

1
1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE WESTERN DISTRICT OF VIRGINIA
3 ROANOKE DIVISION
4

5 **BARBARA S. CARTER, Administratrix of the estate**
6 **of David Howard Carter,**
7 Plaintiffs, Civil Action 88-0172-R
8 **VS.**

9 **SHELL OIL COMPANY, et al.,**
10 **Defendants.**
11 **DREAMA N. RIDDLE,**
12 **Administratrix of the estate of Larry D. Riddle, et al.,**
13 Plaintiffs, Civil Action 88-0505-R
14

15 **VS.**
16 **SHELL OIL COMPANY, et al.,**
17 **Defendants.**
18 **CATHERINE H. SLUDER, Administratrix of the estate**
19 **of Edward F. Sluder, et al.,**
20 Plaintiffs, Civil Action 88-0124-R
21 **VS.**

22 **SHELL OIL COMPANY, et al.,**
23 **Defendants.**

24
25 **DEPOSITION OF RICHARD D. IRONS, M.D.**

2

1 1290 Broadway Suite 700
2 Denver, Colorado 80203

3 **August 15, 1990**

4 APPEARANCES

5 THE LAW OFFICES OF HERSCHEL L. HOBSON, by HERSCHEL L. HOBSON, ESQ., Beaumont, Texas,
6 appearing for the Plaintiffs.

7 AKIN, GUMP, STRAUSS, HAUER & FELD, by CLIFFORD J. ZATZ, ESQ., Washington, D.C.,
8 appearing
9 for the Defendants.

9 Also Present: Daniel Thau Teitelbaum,
10 M.D.

11

12 The deposition of RICHARD D. IRONS,
13 M.D., produced, sworn and examined upon oath on the
14 15th day of August, 1990, at 10:21 a.m., at 1290
15 Broadway, Suite 700, City and County of Denver, State
16 of Colorado, before me, Barbara Wishart, a Certified
17 Shorthand Reporter and a Notary Public within and for
18 the City and County of Denver, State of Colorado,
19 pursuant to the Federal Rules of Civil Procedure, for
20 the examination of the said RICHARD D. IRONS, M.D., a
21 witness called for examination by the plaintiffs
22 herein.

23

24

25

AVERY REPORTING SERVICE, INC. (303) 825-6119

3

1

2 I N D E X

3 Witnesses: Page No.

4 RICHARD D. IRONS, M.D.

5 Examination by Mr. Hobson 4

6 Exhibit No. Marked

7 Irons Deposition Exh. 1 165

(Diagram)

8 Irons Deposition Exh. 2 165

(CIIT Group Paper)

9 Irons Deposition Exh. 3 165

(Shell Article)

10 Irons Deposition Exh. 4 165

(File)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

AVERY REPORTING SERVICE, INC. (303) 825-6119

4

1 RICHARD D. IRONS,
2 being first duly sworn to state the truth, the whole
3 truth and nothing but the truth, testified on oath as
4 follows:

5 EXAMINATION

6 BY MR. HOBSON:

7 Q. This will go under the rules.

8 Dr. Irons, do you care to read and sign your
9 deposition?

10 A. Yes.

11 Q. We'll make those arrangements
12 afterwards.

13 Would you introduce yourself, please.

14 A. Richard D. Irons, I-r-o-n-s.

15 Q. How are you employed, please.

16 A. I am director of Molecular Toxicology
17 and Environmental Health Sciences for the University
18 of Colorado.

19 Q. Dr. Irons, I would like to hand you
20 just a sheet of paper here, if I could, and I think
21 you have a pen there with you. Could I ask you to
22 sketch out for me, please, your view of how a human
23 develops leukemia, the steps that the body goes
24 through in the development of leukemia?

25 A. Leukemia is a whole constellation of
AVERY REPORTING SERVICE, INC. (303) 825-6119

5

1 different diseases. To discuss every particular
2 disease and what we know about it is not possible
3 within the context of a single sheet of paper.

4 Q. Okay. Let's take a leukemic disease,
5 then, that is of concern in this case. Tell me what
6 you understand the disease process that's involved in
7 this case.

8 A. Well, in the case of chronic
9 myelogenous leukemia, which is the disease that
10 Mr. Riddle had, it has been virtually conclusively
11 demonstrated that it originates in the pluripotential
12 stem cell.

13 Q. Since we have got a disease entity,
14 then, among this constellation of leukemias, let's
15 stick with CML, and if you would start me through
16 this process of a healthy person developing CML.

17 A. To the extent that I can, it is a lot
18 easier to work backwards.

19 Q. Either way, but if you would chart it
20 out for me, please.

21 A. Okay. What I have sketched here is a
22 schematic of normal blood cell differentiation. If
23 you would like I can use that to describe what we
24 know about CML.

25 Q. Yes, sir, please.

AVERY REPORTING SERVICE, INC. (303) 825-6119

6

1 A. The principle for virtually all
2 leukemias, not necessarily the lymphomas, but for the
3 leukemias, we know that the cell of origin, the
4 target cells reside in precursor compartments that's
5 generally called the stem cell compartment in the
6 bone marrow. In the case of CML we have a great deal
7 of evidence to indicate that this here is the cell of
8 origin.

9 Q. When you say this here, can we go back
10 and try to figure it out?

11 A. The pluripotential stem cell.

12 Q. All right, sir.

13 A. The evidence for that is really quite
14 impressive. Now the actual cell type that is
15 associated with the disease in the peripheral blood
16 early on in the evolution of the disease is a
17 relatively mature granulocyte, which is going
18 to--this is really a line or continuum of
19 differentiation and maturation that occurs primarily
20 in the bone marrow, the lymphoid series which goes on
21 outside the bone marrow.

22 But in the case of CML, the principal
23 cell type that is associated early on with the
24 disease is an accumulation or an abundance of cells
25 of relatively mature level of differentiation,
AVERY REPORTING SERVICE, INC. (303) 825-6119

7

1 relatively mature for leukemia cells, and they would
2 be at the metamyelocyte level usually, or even some
3 mature cells, the granulocyte series.

4 So although the target cell for the
5 development of the disease originating cell is back
6 here, the first-

7 Q. Back here being the?

8 A. In the pluripotential stem cell
9 compartment, the manifestation that one sees early on
10 in the disease is in the granulocytic series in terms
11 of the cell type that's first described. Now one of
12 the reasons that we know that CML arises at this
13 level-

14 Q. Being the pluripotential?

15 A. At the pluripotential stem cell level
16 is that at various times during the disease in some
17 patients one can look for what's basically the
18 heterogeneity of the origin of all the cells in the
19 blood in the lymphoid system, and usually the
20 erythrocyte is the most useful for this. A normal
21 person has erythrocytes produced from many different
22 stem cells, so it is a very heterogeneous
23 population. If, however, they are being produced by
24 single cell, then you will see a monoclonal
25 distribution if you use appropriate markers to look
AVERY REPORTING SERVICE, INC. (303) 825-6119

8

1 at it. In other words, you would see that cells are
2 being all produced from a single cell as opposed from
3 many.

4 We also have chromosomal markers, and
5 in the case of CML the most prominent is the
6 Philadelphia chromosome. At various times during the
7 disease one can see the Philadelphia chromosome
8 appearing in cells of different lineages, including
9 cells in the lymphoid lineage, primarily the B-cell.
10 The significance of that is there is only one cell
11 type that can give rise to both of these, and that's
12 at the level of the pluripotential stem cell.

13 So the relationship between the cell
14 that causes or is responsible for the development of
15 CML and the actual cells that one sees in the
16 peripheral blood early on is different. In other
17 words, these cells are relatively mature, but the
18 cell of origin is a pluripotential stem cell.

19 Q. Is there a precursor cell to the
20 pluripotential stem cell in an adult?

21 A. In an adult, no.

22 Q. So I guess in laymen's terms we would
23 call the pluripotential cell the father of all the
24 blood cells?

25 A. Yes. Yes.

AVERY REPORTING SERVICE, INC. (303) 825-6119

9

1 Q. Now if you would, tell me--and if you
2 need to make a separate chart we will--how the
3 development of CML as you understand it is different
4 from the development of AML, or acute myelogenous
5 leukemia?

6 A. Most of the knowledge that we have on
7 both of these model systems comes from work of
8 Phillip Fialkow and his group in Seattle. Some
9 others contributed to it, but that's the primary
10 group that's done most of the work in this area. The
11 acute--you said AML?

12 Q. That's right.

13 A. The acute myelogenous leukemia is a
14 leukemia that the cell type that one sees is a
15 relatively--is an immature, it is actually a blast
16 cell, an abnormal blastoid cell, so instead of having
17 relatively mature cells as being the manifestation in
18 the peripheral blood, one has an accumulation of
19 blast cells. So differentiation is blocked at an
20 earlier stage.

21 The cell of origin in AML has been more
22 difficult to pin down because we don't have the same
23 definitive characteristics that we can look at such
24 as the Philadelphia chromosome and some of the clonal
25 markers that we do for CML. In general the far
AVERY REPORTING SERVICE, INC. (303)825-6119

10

1 majority of cases that have been studied today show
2 an origin either at the multipotential myeloid cell,
3 which is right here, or at a committed level. By
4 multipotential I mean the cell is capable of giving
5 rise to different lineages but has very limited
6 capability for self-renewal. So it is committed to
7 these lineages. It cannot produce B-cells, for
8 instance.

9 We are talking about production of
10 erythrocytes, granulocytes, platelets, the myeloid
11 series, if you will. Some originate back here. And
12 the reason that we know that is because again looking
13 at clonal markers we occasionally can find
14 involvement of normal--essentially normal
15 erythrocytes precursors when we have frank leukemia
16 myeloid cells present. And so it is obvious that
17 this would have to be the cell of origin.

18 Q. This being?

19 A. The myeloid, the committed
20 multipotential myeloid stem cell.

21 Q. Pronouns will kill us, Doctor, if we
22 don't do this.

23 A. This is difficult for me to do from a
24 chart, put the words in. Some arise at a later level
25 in which one apparently is looking at cells that are
AVERY REPORTING SERVICE, INC. (303) 825-6119

11

1 either committed only to the myeloid or the monocytic
2 line, or I suspect that there are some instances,
3 although at present I can't remember where, where
4 there might be a rare instance of where erythrocytic
5 leukemia actually came from a committed cell,
6 although I think the evidence is clear for the
7 myeloid multipotential cell being near the origin
8 there.

9 Q. Is there any way that you know to tell
10 whether in the case of AML the stimulating event that
11 actually leads to the production of acute myelogenous
12 leukemia actually occurred in the pluripotential stem
13 cell or the myeloid stem cell, the multipotential
14 myeloid stem cell?

15 A. In those patients that have been
16 examined, I would say somewhere around 95 percent
17 have been demonstrated to arise at this level. There
18 is a small percentage, a few cases that one cannot
19 say definitively that they arose at this level.
20 There is some evidence they may have arisen in the
21 early stage.

22 Q. I may not have communicated my question
23 very well. The changes that you observe that you say
24 lead you to conclude that the leukemia originated in
25 the myeloid stem cell, could that change have
AVERY REPORTING SERVICE, INC. (303) 825-6119

12

1 actually occurred in the pluripotential stem cell and
2 yet only manifested itself in the myeloid stem cell
3 and not the lymphoid stem cell?

4 A. To answer that question the way you
5 phrased it would require that one be able to prove a
6 negative, which is really not an appropriate--it's
7 impossible within the context of scientific inquiry.
8 What one can say is that, first of all, in the case
9 of CML, that does arise at pluripotential stem cell,
10 it has been--that has been relatively readily
11 demonstrated and demonstrated, corroborated.

12 In the case of the AMLs there is
13 only--there is very little evidence to suggest that
14 the pluripotential stem cell can in fact be involved
15 in some minor number of cases. And there is
16 considerable evidence linking this as the cell type.
17 Q. This being the myeloid stem cell?

18 A. The myeloid stem cell for the far
19 majority of cases. Independent of that, in terms of
20 mechanisms of causation that we know of, there is
21 fairly demonstrative evidence, I would say, extremely
22 strong evidence to suggest that this is the target
23 cell and not the pluripotential stem cell.

24 Q. You are saying the myeloid stem cell is
25 the target cell, not the pluripotential stem cell?

AVERY REPORTING SERVICE, INC. (303) 825-6119

13

1 A. Yes.

2 Q. When you say the target cell for
3 mechanisms, what kind of mechanisms are you including
4 there?

5 A. Virtually anything that involves
6 potential alteration of the genetic information
7 within the cell. For potent alkylating agents you
8 are talking about mutation events.

9 Q. Strong alkylating agents would be like
10 chemicals for chemotherapy?

11 A. Chemotherapy, chemotherapeutic agents.
12 However, I should state that there are no leukemia
13 models that we have that suggest that there is a
14 single initiating type of paradigm or single event
15 that DNA leads to the development of leukemia.
16 Leukemias tend to be multifactorial in their
17 characteristics. It is clear that multiple events
18 have to occur in order for them to evolve.

19 MR. HOBSON: Let's take a couple of
20 minutes, because this is very technical. I suspect
21 that our court reporter has some questions about
22 words, and I would like to get those cleared up right
23 now if we could.

24 (Whereupon, there was a recess.)

25 Q. (BY MR. HOBSON) I would like to come
AVERY REPORTING SERVICE, INC. (303) 825-6119

14

1 back now, Dr. Irons, to the chart you have drawn us
2 that we discussed the myeloid leukemias off of. You
3 have drawn out the cell lineages that lead to those
4 diseases, and we talked just briefly about
5 mechanisms. I would like for you now, if you would,
6 to go back and put in here the different mechanisms
7 that you are aware of that lead to the production of
8 CML and AML.

9 A. We do not know ultimately what the
10 mechanism is that leads to the development of CML.
11 The Philadelphia chromosome is a reciprocal
12 translocation between chromosome 9 and 22. That
13 translocation involves two gene or gene areas, one,
14 the able proto-onco gene, and the break cluster
15 region associated with 22 that are put close together
16 where they are normally not found. And there
17 is--it's at least tempting to conclude that that
18 influence on the able proto-onco gene is important
19 with respect to at least the progression and
20 development of the disease.

21 What's not entirely clear is whether
22 the Philadelphia chromosome was causal or not. There
23 is certain evidence to suggest it might not be, even
24 though there is compelling indirect evidence to at
25 least lead one to assume that it plays a role. As to
AVERY REPORTING SERVICE, INC. (303) 825-6119

15

1 what other events lead up to that, if in fact there
2 are any, is not clear.

3 With respect to the acute myelogenous
4 leukemia, certainly in the secondary leukemias, those
5 secondary to drug therapy or in the case of benzene,
6 there is considerable evidence to suggest that
7 aneuploidy may play an important role, although again
8 we don't have a definitive mechanism available for
9 any leukemia. Aneuploidy meaning the selective loss
10 of chromosomes and/or the loss of portions of those
11 chromosomes.

12 In secondary acute leukemias, AMLs,
13 there is a very high proportion of those have losses
14 of either chromosome 5, chromosome 7, or 5 Q minus 7
15 Q minus, which would mean a portion of those
16 chromosomes, whether or not initiating events in the
17 form of alkylation mutation play a role in the
18 evolution of these tumors has not been established.
19 I think there is at least equal if not greater
20 evidence to suggest that these nondisjunctional events
21 are implication errors, play as important if not a
22 more important role in the development of leukemias
23 than any hypotheses involving a direct mutational
24 theory.

25 Q. Now I want to stick with my game plan.
AVERY REPORTING SERVICE, INC. (303) 825-6119

16

1 Do we need to use any of the charts, and the one you
2 have now created, to talk about the lymphocytic
3 leukemias?

4 A. Not really.

5 Q. Tell me, if you would, your
6 understanding of the development of the lymphocytic
7 leukemias in man.

8 A. Let's take ALL because that is of
9 significance with respect to--I have to apologize,
10 the diseases are straight but the names aren't. I
11 think we are talking about Mr. Sluder. The majority
12 of ALLs arise from a committed lymphoid precursor
13 cell, and that could mean either of these two cell
14 types.

15 Q. Being which ones?

16 A. Either the Pre T lymphocyte precursor,
17 if you will, or the Pre B lymphocyte precursor, or in
18 some cases the lymphoid stem cell multipotential
19 prelymphoid precursor, if you will. The majority of
20 cases arise in the case of a T-cell leukemia, at
21 least there is a presumption they arise here.

22 Q. Here being?

23 A. At the level of the Pre T lymphocyte,
24 committed cell, the T lymphocyte lineage. In the
25 case of Mr. Sluder he presents with the Calla

AVERY REPORTING SERVICE, INC. (303) 825-6119

17

1 antigen, or the common lymphoid antigen, which is an
2 antigen expressed on a variety of different cell
3 types not limited to the lymphoid series, but it has
4 been associated with staging those, the degree of
5 differentiation of those tumors. That would lead me
6 to think or suspect that it might have arisen at this
7 level.

8 Q. Being the lymphoid stem cell?

9 A. The committed lymphoid stem cell. It
10 is multipotential in that it likely can give rise to
11 both, either B or T. I don't know of any gene
12 arrangement studies on Mr. Sluder, which really
13 eliminates the possibility of coming up with a
14 definitive answer to that.

15 Q. Now is there a difference in your mind
16 between the chronic lymphocytic leukemia and acute
17 lymphocytic leukemia?

18 A. The evidence for chronic lymphocytic
19 leukemia to the best of my knowledge is it arises
20 primarily at this stage of a committed Pre B cell.

21 Q. What's the indication to you that
22 that's the origination of the CLL?

23 A. Based upon studies using immunoglobulin
24 gene arrangements in those cells.

25 Q. Is there a difference in your mind

AVERY REPORTING SERVICE, INC. (303) 825-6119

18

1 between the lymphomas and the lymphocytic leukemias?

2 A. Yes.

3 Q. Explain those differences for me. And

4 if we need to do a similar type of chart to show the
5 development of lymphomas, I would like to do that.

6 A. Why don't we proceed with this, and if

7 you want me to do another chart, we can do another
8 chart.

9 Q. Fine.

10 A. One can get into semantic arguments

11 about a lymphoma or leukemia, especially in animal

12 models where the distinction is often not clear at

13 all. In man one can have lymphomas that may have a

14 leukemic episode and present with cells in the

15 peripheral blood and take on characteristics of a

16 leukemia and vice versa where you get infiltration of

17 tissues from, say, an acute leukemia that begins to

18 invade other tissues which can also include the

19 secondary lymphoid. If it is all right with you, I

20 would just as soon not--I'll try to distinguish

21 between those semantic arguments and issues and

22 lymphomas in general.

23 Unlike the myeloid compartment of the

24 bone marrow, which is associated with the production

25 of granulocytes, macrophages, monocytes, platelets,

AVERY REPORTING SERVICE, INC. (303) 825-6119

19

1 and red blood cells, most of the maturation
2 associated with the normal development of the
3 lymphoid system goes on outside the bone marrow.

4 In the case of B-cells you do have some
5 development going on in the bone marrow, and you have
6 final commitment and terminal differentiation
7 occurring in the peripheral lymph nodes and/or spleen
8 in response to stimuli.

9 In the case of the T lymphocytes the
10 precursor migrates from the bone marrow to the thymus
11 where it undergoes a similar, although not by any
12 means identical, differentiation process, again
13 terminal differentiation, a functional activity going
14 on in the secondary peripheral lymphoid organs, not
15 in the bone marrow.

16 In the case of a non-Hodgkin's
17 lymphoma, depending upon whether you are talking
18 about immunoblastic, a B-cell or T-cell, you are
19 talking about different cells, the cell of origin is
20 most probably these cells in the peripheral lymphoid
21 organs. They arise in the lymph nodes or
22 occasionally in the thymus, and they are external to
23 the bone marrow.

24 Q. These cells being the B-cell or T-cell?

25 A. Yes. The B and the T-cell are

AVERY REPORTING SERVICE, INC. (303) 825-6119

20

1 different from the other cells of the blood and
2 immune system. Arguably the monocyte falls into this
3 category, but these cells are capable of continued
4 proliferation given appropriate stimuli in the
5 periphery.

6 Q. Again being B-cell and T-cell?

7 A. These cells are committed to responding
8 to appropriate stimuli and to proliferating and
9 affecting either the production of antibody or cell
10 killing in response to infection. There is a very
11 elaborate network that normally keeps these cells and
12 their proliferation in check, and that is what goes
13 on in the peripheral lymphoid organs. The
14 development of various lymphomas occurs in cells
15 within those organs.

16 Q. In a person who presents with this, I
17 guess, multiple diagnosis of lymphoma and lymphocytic
18 leukemia where it appears to go back and forth, how
19 do you express what's going on in the body in terms
20 of what's going on in the cells? In other words,
21 identifying the disease versus what's going on in the
22 body, and if it appears like they have lymphocytic
23 leukemia at one time and then they appear they have
24 lymphoma the next, what is the difference that's
25 really going on with the body as you see it?

AVERY REPORTING SERVICE, INC. (303) 825-6119

21

1 A. Well, usually the progression of the
2 disease from diagnosis gives you a handle on at least
3 what the architectural site of origin is. In other
4 words, if it arises in a peripheral lymphoid organ as
5 opposed to the bone marrow and there is no
6 involvement on the bone marrow, that's characteristic
7 of a lymphoma, for certain types of lymphomas, the
8 majority of them.

9 For some types you may have involvement
10 of the bone marrow. Certainly in the case of
11 multiple myeloma it's very likely that the disease
12 arises in the bone marrow, even though it is a
13 disease of committed B-cell precursor.
14 One also looks for antigens that may
15 help you in deciding what particular category you are
16 going to place it in terms of identifying T-cell and
17 the level of differentiation.

18 Q. Is it possible in your view for a
19 person who, say, comes in and presents at one time
20 like they have a lymphoma, later looks like they have
21 a lymphocytic leukemia because of the changes you
22 described, really what happened was you just didn't
23 catch the first time that it was looking like a
24 lymphocytic leukemia? In other words, they tend to
25 go back and forth, don't they? They appear like they
AVERY REPORTING SERVICE, INC. (303) 825-6119

22

1 are a lymphoma, then a leukemia, then a lymphoma.

2 How do you know which came first?

3 A. If you have a biopsy of a peripheral

4 lymph node that demonstrates the characteristics of a

5 lymphoma and you don't have any evidence of bone

6 marrow at that time, you are looking at a leukemia,

7 you are looking at initial presentation of a

8 lymphoma. Whether or not that at some time during

9 its natural history involves the peripheral blood or

10 invades the bone marrow is not necessarily indicative

11 of the origin of the disease.

12 Q. You are saying, then, that a person who

13 truly has a lymphocytic leukemia will always have

14 bone marrow involvement?

15 A. Not necessarily always, but it

16 certainly is going to be the predisposing factor you

17 are going to look for. In certain cases that's

18 not--and depending on the specific disease type, the

19 sub-classification, that's not necessarily always

20 true.

21 Q. Now I would like to go back for a

22 moment and talk about the control mechanisms. You

23 raised multiple myeloma, and I don't want to get to

24 that yet, though I will, and some other diseases

25 before we finish today. Once one of these changes in

AVERY REPORTING SERVICE, INC. (303) 825-6119

23

1 one of these cells that leads to one of the leukemias
2 occurs, does that automatically mean that that person
3 will have that disease manifest itself?

4 A. No, I don't think that's by any means a
5 given. In fact I would say the general sum knowledge
6 in experimental clinical immunology, that's not the
7 case.

8 Q. And there are reasons biologically that
9 these individual changes don't always lead to
10 disease, correct?

11 A. Certainly. Most of which we really
12 don't have a clear understanding of. But it is clear
13 there are multiple control mechanisms and the system
14 is designed, to put it very crudely, to provide for
15 multiple points of control over the process.

16 Q. It's almost as though God foresaw that
17 these diseases could occur and built in mechanisms to
18 deal with them so you don't get the disease every
19 time this error occurs, if we put it in that
20 perspective?

21 A. That's right.

22 Q. It's those defense mechanisms that I
23 would like to go into next with you. Explain to me
24 the ones that you have a feeling for. I think you
25 said some are unknown, but the ones that you have a
AVERY REPORTING SERVICE, INC. (303) 825-6119

24

1 feeling for, knowledge about, tell me about the
2 defense mechanisms that you know, again trying to
3 limit it to the leukemias.

4 A. Okay.

5 Q. Although it may apply to other things
6 as well.

7 A. The first and obvious defense
8 mechanism, we are dealing with a system that is
9 producing huge numbers of cells every day. It is
10 characterized by the most rapid replication or cell
11 division that you are going to find in a million
12 cells normally. In this whole expansive territory on
13 this graft that I have called the differentiating
14 bone marrow and immune cells, we are dealing with
15 cells that are, and again excluding the lymphocyte
16 series, virtually aptotic. .They are programmed on an
17 irrevocable differentiation process that leads to the
18 production of functional end stage cells that can't
19 divide.

20 Q. I think you said earlier the exception
21 to that is the T-cells, B-cells and maybe the
22 monocytes?

23 A. Maybe. There is argument here. There
24 is replication error going on in these cells
25 normally.

AVERY REPORTING SERVICE, INC. (303) 825-6119

25

1 Q. These cells being otherwise the myeloid
2 tissues?

3 A. The other myeloid precursor cells, the
4 rapidly dividing cells that are identifiable in the
5 bone marrow.

6 Q. You left some of those out?

7 A. Yes. If you want me to itemize what we
8 know about the morphologically identifiable types, I
9 can do it, but otherwise-

10 Q. That's fine.

11 A. At least until we get toward the end of
12 this differentiation spectra we are looking at
13 rapidly dividing cells, so we are producing bone
14 marrow cells through a series of amplifying and
15 division steps producing blood cells. These cells
16 are dividing so rapidly, and it's been appreciated
17 for virtually 100 years that there is some error
18 going on in there. If you look hard enough you can
19 find cells that have either imperfect nuclei, have
20 died as a function of the division process, so there
21 is replication error that occurs in these cells.

22 Q. Let's try to put that just kind of in
23 perspective, I guess. You have put here--I'm having
24 a little bit of trouble with your writing there from
25 this distance. What was this cell here called?

AVERY REPORTING SERVICE, INC. (303) 825-6119

26

1 A. I called it the committed erythroid
2 precursor.

3 Q. Basically there is a cell with that
4 title that ends up being an erythrocyte in the
5 circulating blood?

6 A. For the erythroid precursor there are a
7 couple of different stages involved in the maturation
8 of this cell, so this as a term really refers to a
9 couple of different potential cell types. But for
10 the purposes of this discussion, that's accurate.

11 Q. What I would like to do is for one of
12 these cells that's the precursor cell at this level
13 of division, how many ultimately circulating
14 erythrocytes in the ball park are we talking about
15 originally from one cell?

16 A. That is the subject of quite a bit of
17 discussion. It depends a lot on influences that
18 occur in terms of differentiation here.

19 Q. The person who is not ill would just
20 ordinarily--just ball park it for me. You are
21 talking a thousand, a million, a billion?

22 A. I suspect we are talking on the order
23 of hundreds to thousands.

24 Q. And what's the time period that it
25 takes to go from that one cell to the hundreds of
AVERY REPORTING SERVICE, INC. (303) 825-6119

27

1 thousands, again ball parking it? I know it changes
2 with different conditions.

3 A. Ball parking, somewhere between one and
4 three weeks.

5 Q. And when you say rapidly dividing
6 cells, you mean rapidly dividing-

7 A. Rapidly dividing cells.

8 Q. There are errors that occur?

9 A. There are errors. But these cells, as

10 I said, are irrevocably programmed to produce end

11 stage cells. They are not capable of immortality.

12 If a replication error occurs, the worst thing that

13 can happen to that cell is it dies, which for the

14 system is of minimal importance.

15 Q. Unless they are all defective?

16 A. Well, in which case then you have a

17 rapidly developing anemia, or pancytopenia if you are

18 talking about everything.

19 Q. I diverted you onto that little rabbit

20 trail, so let's get back to the main subject. You

21 were talking about the defense mechanisms that are

22 built in, and you have gotten us to these rapidly

23 developing cells and why the defense mechanism is

24 needed in even a human that's healthy or apparently

25 healthy. Tell me now more about the defense

AVERY REPORTING SERVICE, INC. (303) 825-6119

28

1 mechanisms, please.

2 A. Well, that particular process is in and
3 of itself a defense mechanism because you have--a
4 cell is at maximum state of susceptibility to either
5 spontaneous or external influences that are going to
6 produce the types of errors we are talking about
7 earlier while it is dividing. And in fact for most
8 known chemical leukemogens the replication is the
9 period of time in which the cell is susceptible to
10 that kind of damage.

11 Q. Say that last part again, please.

12 A. For most leukemogens, agents that we
13 know cause leukemia, certainly for chemicals and
14 drugs, the time of susceptibility is the time of
15 replication. It's also the time of susceptibility
16 for the cells spontaneously.

17 Q. I want to be clear here because my
18 first impression when I heard you say that was that
19 the chemical leukemogens only react with cells after
20 they are in this committed stage. That's not what
21 you intended, is it?

22 A. That's not what I mean at all. As a
23 matter of fact, in the case of benzene the
24 manifestations of cytotoxicity are bone marrow
25 suppression that occur from high-level exposure occur
AVERY REPORTING SERVICE, INC. (303) 825-6119

29

1 because cells out here that are not targets for the
2 development of leukemia are in fact eliminated or
3 killed off. That is what creates the anemia. That's
4 what creates the suppression in circulating cells.
5 But that is of no consequence with respect to the
6 development of leukemia directly. So that is a
7 protective mechanism, it's the mechanism that
8 protects us daily from developing leukemia as a
9 normal consequence of just living. It's for the
10 large majority of us.

11 Q. Now there are other defense mechanisms
12 as well besides the one you just discussed. Tell me
13 about the others that we know about.

14 A. The other defense mechanisms involve
15 the level of activation within the compartment of
16 cells that is capable or has some capability for
17 immortality, and that is collectively the stem cell
18 compartments.

19 The pluripotential stem cell
20 compartment, this cell is characterized by the-
21 virtually within the context of the cells of the
22 blood and immune systems. It has virtually limitless
23 horizons with respect to differentiation. It can
24 produce any of these cells. It also can produce
25 itself. We are basically allotted during
AVERY REPORTING SERVICE, INC. (303) 825-6119

30

1 embryogenesis a fixed number of these things, and
2 they continue to keep us going for our whole life.
3 It is, however, characterized by an
4 incredibly strong resistance to division.
5 Pluripotential stem cells do not divide very often.
6 And there is a division history, replication history,
7 which is to say that after so many divisions the
8 evidence suggests that the cell progressively loses
9 its pluripotentialness and takes on
10 committed--characteristics of the committed stem cell
11 populations.
12 As we move down this line going from
13 pluripotential stem cell to committed definable
14 hematocritic precursor cells that produce the blood
15 cells, we have a process whereby the cell begins to
16 take on restricted differentiation capabilities. It
17 no longer is capable of becoming everything. It is
18 progressively restricted. And at the same time it
19 has much more limited capability for any kind of
20 self-renewal. That's lost relatively quickly.
21 We are looking here at a spectrum, we
22 are not looking at--we can talk about the isolation
23 of these populations and their discussion as specific
24 entities, but I think it is more realistic to
25 consider it a spectrum of activity. And you can
AVERY REPORTING SERVICE, INC. (303) 825-6119

31

1 define a cell type in terms of probability that it
2 will do one thing or another much more readily than
3 you can, say there is this absolute population that
4 has X characteristics.

5 Q. The differentiation depends on stresses
6 and responses to stresses as well, so the population
7 can change depending on different circumstances,
8 correct?

9 A. Yes. Yes. That's how we respond to-

10 Q. Infection?

11 A. Infection. That's how we respond to
12 insults or anemia. And it's a highly regulated
13 process.

14 These cells, the myeloid stem cell, for
15 example, is a multipotential cell; that is, it has
16 the capability of giving rise to the erythroid,
17 granulocytic, monocytic, and megakaryocytic
18 lineages. It has a greater potential for division.
19 It has much more limited potential for self
20 replication or self-recapitulation of self, and in
21 fact at this stage we are talking about a cell that
22 is basically committed. It cannot go back.

23 These cells, the committed precursor
24 cells, the myeloid, monocytic, or what have you
25 within this committed framework, are the stem cells
AVERY REPORTING SERVICE, INC. (303) 825-6119

32

1 that are rapidly dividing. They have very limited
2 differentiation potential. They still have the
3 potential to--they certainly have the capability of
4 becoming immortal under the wrong influences, but
5 once you get past the stem cell compartment, there is
6 no evidence that they have capability to become
7 immortal. They are now irrevocably committed, not
8 only in terms of lineage but in terms of replication
9 history, to end up as a terminally differentiated
10 cell.

11 Q. When you say these cells have the
12 ability to become immortal?

13 A. They are appropriate targets for an
14 influence, the replication error or any other
15 hypothesized mechanism for the development of
16 cancer. They are appropriate cells for the evolution
17 of leukemia.

18 Q. Now your studies that you have done you
19 have looked both at the ability of chemicals to
20 attack these cells and cause these changes and you
21 have looked for the toxic response of these chemicals
22 on the body, two different things, right?

23 A. If I understand you correctly, yes.

24 Q. That's what I would like for you to
25 differentiate for me now. Isn't it true that even
AVERY REPORTING SERVICE, INC. (303) 825-6119

33

1 though you may have one of these leukemias caused by
2 one agent, another chemical agent may affect whether
3 or not the disease actually manifests itself because
4 of toxicity and other forms that have to do with this
5 control mechanism?

6 A. That is certainly a reasonable
7 hypothesis, and it's one, in fact, that has been put
8 forward to at least explain the difficulties in
9 producing certain types of leukemias in animals. I
10 should qualify that. Whether or not that in fact
11 proves to be the case is not clear. We don't have
12 enough information on the panoply of regulatory
13 processes that are involved to really say that with
14 authority. It is a logical assumption based on our
15 current knowledge.

16 Q. Your work that you have done, for
17 instance, with benzene has shown that benzene is
18 toxic to the immune system?

19 A. In very high concentrations, yes.

20 Q. At least you have been able to
21 demonstrate the toxicity at high concentrations, but
22 when you lower the concentrations finding effects in
23 the immune system becomes very difficult, does it
24 not?

25 A. Finding significant effects in terms of
AVERY REPORTING SERVICE, INC. (303) 825-6119

34

1 an effect on health, yes.

2 Q. It doesn't mean that lower

3 concentrations don't produce an effect, it is just it

4 gets very difficult to measure and say it is a

5 distinctive effect from what might be current by

6 chance alone?

7 A. I'm sorry, could you repeat the

8 question.

9 Q. Yes, sir. You said--where I'm going

10 with this is that something like benzene may well and

11 probably is toxic at lower concentrations. It's

12 whether or not the toxic effect is significant

13 statistically and able of detection by the methods

14 that you have that you have a problem with.

15 MR. ZATZ: There is no question

16 pending.

17 Q. (BY MR. HOBSON) That's what you are

18 saying, isn't it?

19 A. That's an oversimplification. It

20 depends on what you mean by toxicity. If you are

21 talking about a measurable end stage, alteration that

22 holds resistance to infection, yes. If you are

23 talking about effects that occur at a different

24 level, say in terms of the differentiation of cell

25 types, that's not at all clear.

AVERY REPORTING SERVICE, INC. (303) 825-6119

35

1 Q. What I'm really asking you is when you
2 say that's not at all clear, it means you haven't
3 been able to develop the techniques or you don't have
4 the capacity to make the differentiation of whether
5 it occurs or not, you just don't know? You can't say
6 it doesn't, and gets back again to proving the
7 negative that you were talking about earlier?

8 A. There is certainly--basically we can't
9 say that it doesn't, but there is no evidence to
10 suggest that it does.

11 Q. Now one issue, of course, I need to
12 address with you is whether or not benzene produces
13 leukemia as a causative agent. And by asking that I
14 want to know if you believe that benzene exposure can
15 cause any of these genetic changes to lead to any of
16 the leukemias. Would you give me your opinion on
17 that, please.

18 A. Are you asking me whether benzene leads
19 to the development of a specific leukemia or the
20 genetic changes in general or what's the question?

21 Q. The question is, is benzene a true
22 carcinogen when we talk about the leukemias, and if
23 it is, in your opinion, which of the leukemias?

24 A. I think there is reasonable evidence to
25 conclude that high-level benzene exposure can lead to
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 the development of acute myelogenous leukemia and
2 several variants of acute myelogenous leukemia.

3 Q. Variants being?

4 A. Erythroleukemia, metamyelocytic,
5 probably not monoblastic, although I wouldn't rule it
6 out. Virtually the variants situated in the FAB
7 classification with acute leukemia.

8 Q. What is it that you have seen in your
9 opinion in the way of the discussion we have had
10 about these cells that lead you to that conclusion?

11 A. We are talking about two different sets
12 of evidence that lead me to that conclusion. One is
13 my general knowledge of the role of replication in
14 this process, the mechanism of cell differentiation
15 in hematopoiesis, and my knowledge of the
16 epidemiology and clinical literature associated with
17 benzene exposure.

18 Q. Which defect is produced by the benzene
19 exposure that leads to the AML?

20 A. It's going to occur in this population
21 of cells.

22 Q. Are we talking about now the bone
23 marrow compartment after the pluripotential cell?

24 A. Yes. We are talking about committed
25 cells, either multipotential or unipotential stem
AVERY REPORTING SERVICE, INC. (303) 825-6119

37

1 cells.

2 Q. Now what is the effect in those cells?

3 Are we talking about a change in the genetic makeup,

4 or what is it?

5 A. This is based on my knowledge of how

6 benzene and its metabolites affect cells most likely

7 to be due to replication error involving

8 nondisjunctional events.

9 MR. HOBSON: It's a good time for a

10 break.

11 (Whereupon, there was a recess.)

12 Q. (BY MR. HOBSON) Dr. Irons, I would

13 like for you to explain to me, if you would, please,

14 how you mean the terminology of the nondisjunctional

15 events, the errors that you just talked about.

16 A. What was the beginning of the

17 question?

18 Q. I would like for you to explain what

19 you just told me about benzene causing the

20 leukemias. I think you said at high concentrations,

21 and you mentioned some errors that were made and

22 there were nondisjunctional events.

23 A. In my opinion the most likely mechanism

24 whereby benzene produces leukemia is through the

25 development of replication errors during division,

AVERY REPORTING SERVICE, INC. (303) 825-6119

38

1 replication errors being primarily aneuploidy, the
2 loss of a chromosome, or technically to gain as well,
3 but in this case we are talking loss of a chromosome
4 during division and/or the loss of part of a
5 chromosome.

6 Q. What is the basis of saying that? Have
7 you done work that demonstrates this?

8 A. Benzene metabolites are very effective
9 producers of aneuploidy in dividing cells.

10 Q. And aneuploidy means changes in
11 chromosomes?

12 A. Yes.

13 Q. Which ones of the benzene metabolites
14 are you talking about here now?

15 A. Hydroquinone, p-benzoquinone,
16 benzenetriol, and if in fact transmuconaldehyde is a
17 real metabolite of benzene, its chemistry is
18 consistent with that as well.

19 Q. Have you looked at these metabolites
20 individually to see if they are individually the
21 cause of these replication errors, or do they only
22 have the replication error effect when more than one
23 of the benzene metabolites is present?

24 A. You can produce the effects in isolated
25 cells with hydroquinone and p-benzoquinone or

AVERY REPORTING SERVICE, INC. (303) 825-6119

39

1 benzenetriol. That's been demonstrated. Certainly
2 the mechanics of it has been demonstrated in my
3 laboratory. The effects on chromosomes has been
4 demonstrated in the laboratories of Dr. Martin Smith
5 at Berkeley and by David Eastman. Changes consistent
6 with that are produced in whole animals following the
7 administration of metabolites in high concentrations,
8 but you have to use very nonphysiologic doses in
9 order to produce toxicity.

10 Q. Should hydroquinone be considered a
11 carcinogen as benzene is?

12 A. No.

13 Q. That's what I'm trying to get at. What
14 is it about your work that says that benzene, which
15 is metabolized to hydroquinone, and if hydroquinone
16 is one of these things that causes a replication
17 error, what establishes hydroquinone as being--and
18 benzene going through metabolic changes being a
19 carcinogen?

20 A. Although benzene is a very simple
21 molecule if you look at it, has very complex
22 metabolism, it's clear that the effects of the
23 quinone metabolites that I described are potentiated
24 in vivo if they are considered concomitantly with
25 phenol, which is another metabolite of benzene, in
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 fact it is really the first major metabolite. If you
2 administer phenol and hydroquinone together you can
3 enhance the effect you see with hydroquinone by
4 itself, but it is extremely difficult to produce bone
5 marrow toxicity with the benzene metabolites when you
6 are administering them de novo.

7 Whereas when you administer benzene you
8 have a situation in which the metabolism and
9 pharmacokinetics of the production of the metabolites
10 is such that it predisposes to their concentration in
11 the target tissue, which is the bone marrow. It is
12 very difficult to produce that with the metabolites
13 by themselves because they are pharmacokinetics, and
14 inherent toxicity really preclude creating the
15 situation that is compatible with producing bone
16 marrow damage without incurring other types of
17 toxicity like central nervous system toxicity.

18 Q. Do you have any experience, then, with
19 the result of concomitant exposure to benzene and
20 phenol?

21 A. Benzene itself and phenol?

22 Q. Yes.

23 A. No.

24 Q. What would you have in the way of an
25 opinion as to the results of that kind of exposure?

AVERY REPORTING SERVICE, INC. (303) 825-6119

41

1 A. It would be very complicated. I would
2 not want to hazard what would happen. You are
3 looking at certainly different levels. You are
4 looking at primary metabolism in the bone marrow,
5 secondary metabolism--excuse me, not bone marrow, the
6 liver. Secondary conjugation events that are going
7 to be effectively competed for in the liver that's
8 going to change the disposition in the metabolism,
9 secondary metabolism. You have got secondary and
10 tertiary metabolism on the quinone metabolites in the
11 bone marrow. That would be an interesting
12 experiment, it would also be a very, very difficult
13 one to do.

14 Q. What about concomitant exposure to
15 benzene and hydroquinone?

16 A. I think it would be exceedingly
17 difficult to deliver hydroquinone to the bone marrow
18 under any circumstances through exogenous
19 administration that would be compatible with the
20 production of significant bone marrow damage without
21 having very serious CNS effects. I don't think
22 administering benzene is going to change that. It
23 may exacerbate hydroquinone toxicity.

24 Q. Would concomitant exposure to benzene
25 and phenol alter the metabolism of benzene metabolic
AVERY REPORTING SERVICE, INC. (303) 825-6119

42

1 pathways?

2 A. It's hard to say. It would depend on
3 the relative--first of all, it is going to depend on
4 the relative affinities of benzene and hydroquinone
5 for the cytochrome P 450 in the liver. I'm not
6 familiar with the details of the kinetics of those
7 two with respect to initial oxidation to really say
8 anything authoritative on that.

9 Q. Just so I make sure I'm asking the
10 question that you are answering, when I say changes
11 in the metabolic pathway, I think you could answer
12 that one of two ways, that the metabolic pathways
13 themselves are changed, or that the relative
14 direction down one of the metabolic pathways is
15 changed?

16 A. I doubt you are going to see any major
17 changes in metabolic pathways with any of these
18 scenarios. You are talking about competing
19 influences that may change the relative production of
20 a given metabolite in a given place, and I think that
21 to hazard an opinion on those influences would be
22 very, very difficult.

23 Q. Now the information that you just gave
24 me about how benzene is metabolized and the influence
25 of the metabolites, is that based on studies in
AVERY REPORTING SERVICE, INC. (303) 825-6119

43

1 animals or in man or both?

2 A. Primarily animals, but also some human
3 tissues, some cell work, but primarily animal work.

4 Q. I think you have published in the past
5 that while these metabolic pathways may be somewhat
6 similar in animals and man, and even similar from
7 animal species to animal species, the relative
8 path--the amount that goes down each one of these
9 paths relative amounts changes from species to
10 species and animal to animal and from animal to man,
11 right?

12 A. As a general statement, yes, but there
13 are specific cases where it is relatively
14 straightforward to determine what those differences
15 may be. And they may be slight, they may be great,
16 it depends on the nature of the species that you are
17 working with.

18 Q. In talking about man, which animal
19 species is the closest animal model for metabolism of
20 benzene in man? I'm talking about now these relative
21 percentages and the pathways.

22 A. That's a very difficult question to
23 answer in a single statement. In terms of overall
24 rate of metabolism, I would say you are probably--you
are looking at a greater rate of metabolism, say, in
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 the mouse in generic terms than you are going to be
2 in, say, the rat, which is probably similar to or
3 less than you are going to find in the monkey, which
4 is going to be similar to or approximate to that
5 which we see in man. That's very general. You can't
6 really apply that to a specific pathway or a specific
7 compound.

8 Q. Perhaps you have anticipated where I
9 was headed. Why don't we do these studies in
10 monkeys?

11 A. To some extent there have been attempts
12 to do studies in monkeys. I think there have been
13 several attempts to demonstrate bone marrow toxicity,
14 at least one that I think was conducted by the
15 American Petroleum Institute in, I believe, the
16 early '70s.

17 Q. In monkeys?

18 A. I'm not sure whether they used a monkey
19 or not. I think they tried, but I haven't looked at
20 that study in years, so I really couldn't.

21 Q. Tell me which study you are thinking
22 about as best you can describe it.

23 A. It's known as the Zoo Study, if you
24 will. They basically attempted to produce bone
25 marrow toxicity through the acute or subacute, I
AVERY REPORTING SERVICE, INC. (303) 825-6119

45

1 forget, administration of benzene to a variety of
2 different species and attempt to look for a better
3 model.

4 Q. Have you considered using monkeys?

5 A. The trouble with using monkeys is they
6 are extremely expensive, there are ethical
7 considerations you have to deal with when approaching
8 using primates, having the appropriate facilities to
9 do them, there aren't very many institutions that can
10 do long-term monkey experiments. I have considered
11 using monkeys, but I have elected to look directly at
12 human cells and to compare metabolic and mechanistic
13 aspects of cell differentiation replication between
14 rodent models and man.

15 Q. Doing the same kind of studies in
16 monkeys, primates, that you have done in mice or
17 rats, though, certainly studying the monkey, all
18 those things being equal, cost and that sort of
19 thing, give you much better estimates of what's going
20 to happen to man, doesn't it?

21 A. I think to conduct similar studies to
22 what I have done in mice is almost impossible if not
23 virtually impossible. It is just not logistically
24 feasible. I could be wrong, but I don't know of a
25 facility in this country that can do studies of that
AVERY REPORTING SERVICE, INC. (303) 825-6119

46

1 magnitude with that number of primates.

2 Q. But now a number of the studies that
3 you have done dealt with relatively small numbers of
4 rats and mice, haven't they?

5 A. True. But it depends on what questions
6 you are asking. If you want to talk about
7 comparative metabolism, those could be done in
8 monkeys, yes.

9 Q. Now you mentioned that you have looked
10 at some human cells.

11 A. Yes.

12 Q. What have you done along that line?

13 A. We are developing defined stem cell
14 assays, we are characterizing differentiation and
15 proliferation of human cells in culture systems that
16 are analogous to those that we have developed for
17 mouse cells.

18 Q. So these are not human studies, but
19 they are studies on human tissues?

20 A. They are studies on human tissues,
21 that's correct. And they are developmental. They
22 are in progress, they are not by any means completed
23 studies.

24 Q. I want to come back for a moment now to
25 benzene creating these replication errors that you
AVERY REPORTING SERVICE, INC. (303) 825-6119

47

1 talked about earlier. Once I'm in your field it's
2 more difficult for me to phrase my question so it can
3 mean something to you, so bear with me, if you
4 would. Besides benzene being able to cause a change
5 in chromosome, the replication error you told me
6 about, does benzene toxicity or the metabolites of
7 the benzene from a toxicity standpoint also play a
8 part in the development of leukemia?

9 A. I'm sorry, but I'm not following the
10 question.

11 Q. I'm trying to get to, I guess, benzene
12 causes the chromosomal changes which sets in motion
13 these developments of malfunctioned cells?

14 A. Metabolites do.

15 Q. The metabolites of benzene. Does the
16 toxicity of either benzene or benzene metabolites
17 also contribute to the induction of the disease at
18 the end point? In other words, does it affect the
19 immune system so that the policing of these cells is
20 also stopped?

21 A. I think you would have to be at such a
22 high level of exposure that you would have problems
23 associating with host resistance and immune
24 dysfunction long before you would have the
25 development of a frank leukemia. But again, this is
AVERY REPORTING SERVICE, INC. (303) 825-6119

48

1 pretty much speculation. Effects of benzene
2 metabolites on isolated cells of the immune system
3 have been well characterized and have a dose response
4 nature. We have done most of that work, a good deal
5 of it at least.

6 But again, we are talking about a
7 system that has numerous fail-safe and networking
8 mechanisms and demonstrates an isolated effect on a
9 group of cells, and showing it has any effect
10 whatsoever on immune surveillance or immune response
11 is totally different. And my impression of benzene
12 is it is immunosuppressant only in high
13 concentrations.

14 Q. Tell me something like--high
15 concentrations is a relative term. How can you
16 quantitate that?

17 A. You see frank evidence of suppression
18 in the bone marrow, frank evidence of toxicity to the
19 immunogens, so you are talking exposures that are
20 consonant with the production of demonstrable
21 pathology in target organs.

22 Q. Are we talking now about exposures that
23 occurred through, say, the inhalation of benzene
24 vapors, or are we talking about some other method of
25 delivering benzene to an animal system?

AVERY REPORTING SERVICE, INC. (303) 825-6119

49

1 A. It is a heck of a lot easier to do it
2 when you inject the stuff than it is with
3 inhalation. You can do it with inhalation, but it is
4 far more difficult to get a reproducible effect.

5 Q. What levels are we talking about at the
6 tissue level?

7 A. At the tissue level, in terms of
8 dosing, we are looking at exposures in the area of
9 100 to 300 parts per million for a concentration for
10 inhalation. And injection is a totally artificial
11 game in terms of trying to describe what any relevant
12 exposure is from the standpoint of inhalation. But
13 we are talking nonphysiologic dosing regimen to be
14 sure.

15 Q. You have said several times that we are
16 looking at populations here, populations of cells,
17 populations of animals. When you carry out your
18 animal studies with benzene and you find
19 statistically significant changes, if we talk about
20 for a moment the production of leukemias, have you
21 done that in animals, produced leukemias from benzene
22 exposures?

23 A. I have produced some lesions in the
24 mouse that are certainly equivalent to
25 myelodysplastic changes. Whether or not they are
AVERY REPORTING SERVICE, INC. (303) 825-6119

50

1 frank leukemias is an argument that pathologists may
2 carry on for some time. But certainly it is possible
3 to produce myelodysplastic changes in the mouse. The
4 mouse is a very bad clinical model for extrapolation
5 to man in terms of a disease entity, but you can
6 certainly produce changes reminiscent to
7 myelodysplasia in a mouse.

8 Q. What percentage of the population of
9 mice would you find these changes, these
10 myelodysplastic changes in?

11 A. It's going to depend on the dose. I
12 would say to some degree or another concentrations
13 certainly above 300 parts per million or greater you
14 are going to see the majority of the animals showing
15 changes. And you are going to have a significant
16 number showing changes at 100.

17 Q. And is this concentration in the air or
18 what you are injecting?

19 A. No, that's air, inhalation.

20 Q. You say greater than 300 the majority
21 have changes, myelodysplastic changes?

22 A. Somewhere between 1 and 100 you
23 basically plateau out. Between 100 and 300 you
24 plateau out.

25 Q. Below 100 parts per million do you not
AVERY REPORTING SERVICE, INC. (303) 825-6119

51

1 find any changes?

2 A. You can see some. You can see some
3 hematopoietic changes associated with transient
4 suppression in and around 50.

5 Q. And when you say you can see some-

6 A. You by no means have the same--you
7 don't have myelodysplasia in the majority of animals
8 at 50. You may have some at 50 that demonstrate some
9 myelodysplastic changes.

10 Q. Is this true in this system as it is in
11 other systems that below 50 you may see changes
12 similar to the group at 50 and above, but you can't
13 attribute those changes to the exposure because they
14 are infrequent?

15 A. I've never seen any myelodysplastic
16 changes in animals below 50. I have not conducted
17 major exposures of animals below 50 because I have
18 had a devil of a time producing these changes between
19 50 and 300. The problem with benzene has been
20 producing basically persistent lesions in rodents at
21 any concentration, and that was my goal with these
22 experiments.

23 Q. That gets back to the selection of the
24 model?

25 A. It gets back to the selection of the
AVERY REPORTING SERVICE, INC. (303) 825-6119

52

1 model, but I think it also is likely to be a function
2 of the exposure regimen as well.

3 Q. And then what regimen were you using?

4 A. Continuous exposure and also exposures
5 involving periods of time where the animals have
6 between, say, four and seven days in between
7 exposures.

8 Q. When you say continuous exposure, are
9 these 24-hour exposures?

10 A. No. We are talking either exposures
11 for six to seven hours a day every day, or exposures
12 for, say, three to four days a week with days off in
13 between.

14 Q. Did you find any difference in those
15 two exposure regimens?

16 A. At the higher concentrations there are
17 some differences. What's remarkable to me is that
18 exposure to transient--exposure for three days a week
19 compared to, say, exposures for seven days a week,
20 that there is not that much difference between the
21 three-day exposures versus the seven for benzene
22 itself. That is to say three days at high level
23 produces almost as much damage as seven days'
24 exposure at high level. You lose it when you go
25 down. At 50 parts per million I don't recall any
AVERY REPORTING SERVICE, INC. (303) 825-6119

53

1 definitive resolution to whether there is a
2 difference, but at higher concentrations it is
3 important.

4 Q. Is this work published?

5 A. Some of it is in abstract form, the
6 rest of it is not.

7 Q. Where was that work done?

8 A. CIIT.

9 Q. When, approximately?

10 A. 1987, '88. We are talking now about
11 peripheral blood values, bone marrow cellularity and
12 histopathology. We are not talking about
13 bioassay-type studies. We were looking for evidence
14 of effects that were associated with any kind of
15 damage rather than simply looking for leukemogenesis.

16 Q. You told me how long these exposure
17 sessions took place. Were these over a lifetime of
18 the animal, or were they one set period of time? How
19 did you do that?

20 A. I forget the exact paradigms for all
21 the various combinations. We did do one group that
22 were exposed for, I believe, 12 weeks, 12 to 16
23 weeks, and then held them for, I think, a year. This
24 was similar to a paradigm that was used by Dr. Eugene
25 Cronkite of Brookhaven National Lab in which he
AVERY REPORTING SERVICE, INC. (303) 825-6119

54

1 exposed animals for a brief period of time and held
2 them.

3 Those studies demonstrate that there is
4 a very complex dose-duration product that's
5 associated with the production of certainly
6 proliferative lesions in mice. Those appear to be
7 more effective than long-term exposures to very high
8 concentrations that have been done in a classic
9 bioassay type of paradigm.

10 Q. I'm not sure I understand what you have
11 told me there on that series of experiments. You say
12 you took some animals that you exposed for three days
13 and left them off for many days. You took another
14 series, exposed them seven days?

15 A. Six or seven, I forget exactly.

16 Q. And you did this for a long period of
17 time?

18 A. For up to 12 weeks.

19 Q. Both regimens?

20 A. I believe so. I have certainly done
21 both experiments. Whether they were done in the same
22 context or not, I can't remember. But we have done
23 both.

24 Q. Then you held these animals for a year?

25 A. Yes.

AVERY REPORTING SERVICE, INC. (303) 825-6119

55

1 Q. And then looked at the peripheral blood
2 to see if there were any changes that you could find?

3 A. Yes, and histologic changes in bone
4 marrow.

5 Q. Were their different exposure levels
6 for these two regimens as well?

7 A. Between 50 and 300, yes.

8 Q. Did you find any persistent changes at
9 the end of this one-year holding period?

10 A. There were some very impressive
11 myelodysplastic changes in the animals certainly at
12 the 300 parts per million level. There were some at
13 the 100 parts per million level. I would be
14 hard-pressed to establish that there were the same
15 types of changes at 50. Between 100 and 300 there
16 were plenty.

17 Q. What was the difference, if any,
18 between the two exposure regimens, the short term
19 versus the longer term?

20 A. That's where I can't remember whether
21 or not we did those. We carried them out that far.
22 As I recall, certainly exposures between 17 and 300
23 under a typical six- or seven-day week paradigm
24 exposed for 12 or 16 weeks and held will produce
25 long-term myelodysplastic changes. The problem with
AVERY REPORTING SERVICE, INC. (303) 825-6119

56

1 interpreting that data is we are talking high
2 exposures, we are talking plenty of evidence for
3 infection and distinguishing between a myelo
4 proliferative disorder and response to infection and
5 one associated with the development of a long-term
6 proliferative lesion that might, for instance, be
7 typical of myelodysplastic syndrome in man is very
8 controversial and in fact is one of the reasons why
9 that study has not yet been published. The
10 interpretation of the findings is very controversial.

11 Q. I take it you ran a control group?

12 A. We ran a control group.

13 Q. What did you find in the control group
14 with relation to myelodysplastic syndrome?

15 A. There are some proliferative problems,
16 myeloproliferative disorder and lesions in the spleen
17 of some of those animals that could be associated
18 with infection that was also demonstrated in those
19 animals.

20 Q. Was it less marked in the control
21 group?

22 A. I would say it probably is, but the
23 diagnosis is controversial.

24 Q. The-

25 A. The history of the mouse as a model for
AVERY REPORTING SERVICE, INC. (303) 825-6119

57

1 leukemogenesis has been fraught with difficulty in
2 extrapolating myeloid lesions. It is a very
3 difficult task, and you will not find consensus among
4 pathologists.

Q. Would the exposure regimens that you
6 used in these animals, the 300 parts per million
7 benzene vapor or 50 parts per million benzene vapor,
8 would those exposures lead to changes in the animal's
9 blood or lymph system, immune system, that would make
10 them more susceptible to disease, infectious disease?

11 A. In my opinion, yes, but that's an
12 opinion. Yes. And that is a problem with respect to
13 thoroughly defining the model. I suspect there are
14 within that group of animals, and I forget the number
15 we started with, between two and three leukemias, but
16 I would be hard-pressed in a scientific form to
17 defend those against alternative interpretations of
18 the histopathology.

19 Q. Now the exposure regimens that you used
20 that were strictly benzene vapor and air for the
21 series of tests you just talked to me about?

22 A. Right.

23 Q. Have you done any work to distinguish
24 between benzene vapor exposure being delivered to the
25 target organs and skin exposure?

AVERY REPORTING SERVICE, INC. (303) 825-6119

58

1 A. Skin in my experience is a very poor
2 route of exposure except under conditions of perhaps
3 high-level exposure prolonged contact with the skin.
4 I have never considered skin as a viable route for a
5 study. It is hard enough to produce toxicity that
6 you can reproduce when you are administering the
7 inhalation.

8 Q. What is the last series of skin studies
9 for benzene that you looked at?

10 A. I have not personally done skin studies
11 with benzene. I have elected to use inhalation.

12 Q. You have seen others, though, who have
13 done skin permeation studies?

14 A. Skin permeation, yes. What's the
15 question?

16 Q. If skin permeation is a viable route of
17 exposure to benzene?

18 A. Not in any experimental system that I
19 know of.

20 Q. What about for man?

21 A. My opinion is that under conditions of
22 prolonged exposure to high levels of benzene,
23 prolonged exposure being contact with liquid benzene
24 in the skin, that it probably is a significant route
25 of exposure. I don't think it constitutes an
AVERY REPORTING SERVICE, INC. (303) 825-6119

59

1 important route of exposure relative to the lungs in
2 the gaseous phase. The lungs are much more important
3 if you are exposed to benzene in the air.

4 Q. What about benzene as a component of a
5 solvent, have you seen the studies that have been
6 done showing skin permeation of benzene contained in
7 solvents and how the solvents affect the transport of
8 benzene through the skin barrier?

9 A. I believe I have. I don't recall the
10 specifics, but I do, I have seen those.

11 Q. In those studies the fact that other
12 solvents are present actually makes the skin
13 absorption route for humans much more significant,
14 doesn't it?

15 A. My impression is that skin absorption
16 for benzene was relatively small under most
17 conditions. I would have to look at the data to
18 render any more of an opinion than that.

19 Q. You talked about myelodysplastic
20 syndrome in connection with these mice, I think, a
21 while ago. Tell us what you mean by myelodysplastic
22 syndrome in the context that you used it.

23 A. Myelodysplastic syndrome is basically
24 an abnormality that describes a constellation of
25 potential symptoms that is associated with long-term
AVERY REPORTING SERVICE, INC. (303) 825-6119

60

1 bone marrow damage. It encompasses terms that are
2 now archaic such as preleukemia. It can involve one
3 or more multiple alterations in circulating
4 peripheral blood cells in terms of number and
5 behavior, and has a reasonably high rate of
6 transformation into AML.

7 Q. Can you use your chart that you
8 prepared for us to explain myelodysplastic syndrome?

9 A. Only to the extent we are talking about
10 a recurring abnormality in the differentiation and
11 production of the various cell types. We are talking
12 about basically long-term abnormality in
13 differentiation and production, so we are talking
14 about, again, cells in the stem cell compartment.

15 Q. So you are talking about an effect that
16 occurred in the stem cell compartment that is
17 manifested out in the peripheral-

18 A. Yes.

19 Q. What kinds of changes lead to
20 myelodysplastic syndrome? Are they the same kinds of
21 changes that lead to leukemia, or different?

22 A. In general they are the same kinds of
23 changes. We are talking about bone marrow damages,
24 bone marrow suppression, followed by an abnormal-
25 what is apparently an abnormal differentiation

AVERY REPORTING SERVICE, INC. (303) 825-6119

61

1 pattern for the myeloid cells in the bone marrow
2 primarily. It's consistent with high-level
3 exposure. Certainly chemotherapeutic agents are
4 known to do it. There is in fact to my knowledge no
5 demonstrative evidence that you will get secondary
6 leukemia in the absence of the development of frank
7 bone marrow suppression and/or myelodysplastic
8 changes.

9 Q. Say that last part again, please.

10 A. That secondary leukemias, leukemias
11 arising secondary to chemotherapy or exposure to
12 benzene, the evidence is very strong that you
13 basically have to have significant bone marrow
14 suppression and/or the development of pancytopenia or
15 myelodysplastic syndrome as a prerequisite for the
16 development of AML in those patients.

17 Q. That leads me to two questions. What
18 is the basis for saying that? Is that based on
19 animal models?

20 A. No, that's human data.

21 Q. Which human data?

22 A. Primarily the chemotherapeutic
23 literature, as well as those cases for which one can
24 define high-level exposure to benzene, but primarily
25 the chemotherapeutic literature in which patients are
AVERY REPORTING SERVICE, INC. (303) 825-6119

62

1 clearly defined and followed from the beginning of
2 treatment. So you have got a handle on exposure, you
3 know what their exposure was, and you follow the
4 progression of their disease.

5 Q. How soon after the exposure to the
6 chemotherapeutic agents does the myelodysplastic
7 syndrome follow?

8 A. I think it varies considerably because
9 you have to distinguish between transient suppression
10 of the bone marrow which you are going to see during
11 chemotherapy.

12 Q. That's the purpose of the chemotherapy?

13 A. It has that effect, yes. What you are
14 really talking about is persistent changes that occur
15 after cessation of treatment.

16 Q. I need for you to put that in more, I
17 guess, laymen's terminology. What do you mean?

18 A. If you have suppression, if you have
19 thrombocytopenia secondary to chemotherapeutic
20 treatment, that's a relatively frequent event. If it
21 persists after treatment has ended, it is likely to
22 be indicative of a persistent problem. And it's very
23 difficult to predict what you are going to see. You
24 may see thrombocytopenia, you may see
25 lymphocytopenia, a combination there of a variety of
AVERY REPORTING SERVICE, INC. (303) 825-6119

63

1 different possibilities exist. But persistent
2 changes after cessation of treatment would at least
3 be indicative of potential myelodysplastic changes.

4 Q. A person has chemotherapy, which is
5 going to lead to myelodysplastic syndrome. Do they
6 always then continue with the myelodysplastic
7 syndrome?

8 A. Not necessarily. It is highly
9 variable. Some may persist with isolated changes
10 such as thrombocytopenia for years, some may
11 spontaneously recover, some recover with further
12 treatment. A high percentage will go on to develop
13 acute myelogenous leukemia, or they can also have
14 myelodysplastic syndrome, there is nothing that
15 prevents them from doing that.

16 Q. Are all of the people who die with
17 leukemia after administration of the chemotherapeutic
18 drugs, have they expressed the myelodysplastic
19 syndrome?

20 A. All is a very strong word. I would say
21 that it's my understanding of the literature that
22 there is certainly evidence of bone marrow
23 suppression and/or blood dyscrasias in almost every
24 patient receiving alkylating therapy in large doses.
25 And one would expect that for those going on to
AVERY REPORTING SERVICE, INC. (303) 825-6119

64

1 develop leukemia, AML, that virtually all of them are
2 going to have some anlage.

3 Q. Some-

4 A. Some evidence of bone marrow
5 suppression prior to the development of the leukemia.

6 Q. Of course, prior to the development of
7 leukemia encompasses the whole time period from the
8 administration of the drug until the development of
9 leukemia?

10 A. An expression of leukemia.

11 Q. Isn't it true, though, that some of
12 these people who get their chemotherapy and develop
13 the myelodysplastic syndrome, then they recover so
14 that you don't find an expression of the
15 myelodysplastic syndrome or even any of these changes
16 in the peripheral blood, peripheral blood is
17 essentially normal, they later develop leukemia,
18 acute myelogenous leukemia even?

19 A. I would question whether or not you are
20 talking about completely normal because in studies
21 that have been done of patients receiving
22 chemotherapy and/or radiation therapy, in at least
23 one study done with Michael Dexter in Testa
24 Laboratory in England has demonstrated fairly
25 significant stem cell behavior in patients years
AVERY REPORTING SERVICE, INC. (303) 825-6119

65

1 after cessation of therapy with almost normal
2 peripheral blood picture, but I think
3 thrombocytopenia is relatively persistent even in
4 these patients. So I'm not sure I could agree with
5 the statement as you--the question as you phrased it.

6 Q. Doesn't that then argue against what
7 you just told me earlier, that you always have one of
8 these changes in the peripheral blood prior to
9 developing an AML that's caused by benzene?

10 A. No, because you have demonstrated some
11 frank damage to the bone marrow to begin with.

12 Q. But if you have had benzene exposure at
13 even 50 parts per million, you have demonstrated some
14 toxic effect to the bone marrow, have you not?

15 A. Not necessarily. I think the
16 likelihood is that between 50 and 100 parts per
17 million you will in some people. You will in some
18 mice.

19 Q. And if you look at a large enough
20 population of people, there are going to be some
21 people that that expression of toxicity may occur
22 below 50 parts per million?

23 A. It depends where you are on the dose
24 response curve, and I would say you are on the lower
25 end. I am comfortable with the idea that somewhere
AVERY REPORTING SERVICE, INC. (303) 825-6119

66

1 between 50 and 100 parts per million is likely to
2 cause bone marrow damage. The evidence between 25
3 and 50 is really slim, and below that is problematic.

4 Q. This takes us really to the concept of
5 individual susceptibility that out there on the tail
6 of the population there are some people who are going
7 to be outside the norm, just like there are some
8 animals outside the norm.

9 A. That's correct. In the case of the
10 model we are talking about, I think we see those
11 people in the relatively low--small number of
12 individuals that will develop AML following very
13 high-level exposure to benzene. The majority of
14 cases of benzene toxicity described in the literature
15 are not leukemia. We are talking about bone marrow
16 suppression, we are talking about anemias,
17 pancytopenia, aplastic anemia, and a portion of those
18 will go on to develop AML. We have seen the
19 susceptible population when we see the incidents of
20 AML following high-level benzene exposure.

21 Q. The term high level is a relative term
22 depending on the individual susceptibility to
23 exposure?

24 A. I'm talking 100 parts per million and
25 greater, and perhaps 50 to 100 parts per million.

AVERY REPORTING SERVICE, INC. (303) 825-6119

67

1 Q. When you say 100 parts per million or
2 greater, is that parts per million years?

3 A. 100 parts per million absolute
4 concentration of exposure for some duration of time.

5 Q. That's the next question, what
6 duration?

7 A. I don't think we have sufficient
8 evidence to make a clear statement on that except to
9 say we are talking repeated exposures. Certainly the
10 cases that have appeared in the literature have been
11 individuals that have been exposed for periods of
12 time in excess of six months, and the majority of
13 them exposed for long periods of time.

14 Q. At more than 100 parts per million per
15 eight-hour workday?

16 A. Not necessarily integrated, but
17 certainly exposed to levels between 50 and 100 parts
18 per million or greater. We have some studies, as I'm
19 sure you are well aware, from Turkey and elsewhere
20 where the exposures are horrendous.

21 Q. What about the worker who goes out and
22 gets exposed to benzene on a regular basis for a
23 period of, say, 15 minutes a day one time to 200 or
24 300 parts per million, where does he fit in the
25 pattern?

AVERY REPORTING SERVICE, INC. (303) 825-6119

68

1 A. The only experience that I can lend to
2 clarification of that has to do with animal studies
3 in which I have tried to take the dose regimen issue
4 from days exposure down to hours of exposure, in
5 looking at exposures of 45 minutes to an hour and a
6 half, interrupting and looking at different
7 regimens. And I have found it incredibly difficult
8 to produce reproducible bone marrow toxicity in mice
9 at exposures less than an hour and a half to two
10 hours at a time. I know of no data to suggest that
11 you can maximize saturation of metabolism or
12 effectively produce the same biochemical scenario
13 that will exist with prolonged exposure in that kind
14 of a regimen. That is not to say that it might not
15 happen, but I certainly don't have any evidence to
16 support it.
17 It's unlikely that you are going to
18 have efficient metabolism of benzene for the
19 production of--optimal production of metabolites that
20 can get to the marrow and undergo further metabolism
21 unless you saturate benzene metabolism first, and
22 that's a process that takes--that may have some
23 species differences, but one would expect it is going
24 to saturate later in man than it is in a mouse, so we
25 are talking probably greater than two hours.
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 Q. Of course, you could achieve that by
2 having several lower-level exposures? In other
3 words, an exposure for ten minutes one hour, another
4 ten-minute exposure the next hour, if you are talking
5 about reaching the bone marrow with a certain dose?

6 A. No, only if you abide by an
7 accumulative exposure paradigm, which I don't think
8 is consistent with this particular situation at all.
9 Metabolism requires consistent exposure because you
10 are going to eliminate it as rapidly as well, so that
11 if you are exposed for a few minutes one hour and
12 then a few minutes the next hour it is as though you
13 are starting over again.

14 Q. What is the biological half-life of
15 benzene for humans as you have been able to
16 determine?

17 A. I would have to look that up. I don't
18 have that information at my fingertips. There have
19 been some studies done by Maths Berlin in Sweden that
20 come as close to defining that as any, and they are
21 reasonably--they are certainly consistent with what's
22 been seen or predicted from rat studies.

23 Q. But if you say, though, that an
24 exposure one hour and an exposure the next hour is
25 the same as starting over, the biological half-life
AVERY REPORTING SERVICE, INC. (303) 825-6119

70

1 would have to be a matter of a few minutes as opposed
2 to a couple hours?

3 A. I would have to look at that data again
4 to comment.

5 Q. How can you make the statement that one
6 hour to the next hour starting over if you don't know
7 what that data is?

8 A. I'm telling you from my experience with
9 the mouse. It is incredibly difficult to produce
10 bone marrow toxicity with that kind of a regimen.

11 Q. This is measurable toxicity that you
12 see in the peripheral blood?

13 A. Or the bone marrow.

14 Q. Or the bone marrow?

15 A. Yes.

16 Q. And you are looking how?

17 A. Hemologic analyses, histopathology.

18 Q. What specific parameters would you be
19 looking at?

20 A. Peripheral blood counts, lymphocytes,
21 granulocytes, platelets, typical CBC.

22 Q. And you are looking for statistically
23 significant difference in changes?

24 A. Yes. Bone marrow cellularity.

25 MR. HOBSON: Lunch anyone?

AVERY REPORTING SERVICE, INC. (303) 825-6119

71

1 MR. ZATZ: Sure.

2 (Whereupon, there was a recess.)

3 (Whereupon, the last question and

4 answer were read.)

5 Q. (BY MR. HOBSON) Dr. Irons, I want to

6 ask you a few questions about some other diseases, if

7 I could. Multiple myeloma, would you tell us what

8 multiple myeloma is in humans to you as a disease

9 condition and how it relates to these blood cells

10 that you have drawn in your chart, if it does?

11 A. Multiple myeloma is, I think, most

12 appropriately classified as a lymphoma, although it

13 arises in the bone marrow and is a malignancy of the

14 B-cell lineage, B lymphocyte lineage, that leads to

15 the production of differentiated immunoglobulin

16 producing B-cells also known as plasma cells.

17 Q. Have you been able to determine what

18 kind of a defect occurs that leads to the production

19 of multiple myeloma in humans?

20 A. I think the prevailing opinion at the

21 present time is that the target cell is a committed B

22 and B lymphocyte lineage. We are talking about a

23 committed B lymphocyte.

24 Q. Can you be more specific? Is this a

25 chromosomal change and do you know where the change

AVERY REPORTING SERVICE, INC. (303) 825-6119

72

1 occurs or anything like that?

2 A. The information that I believe has led
3 to that general understanding has to do with the
4 rearrangements that are seen in the immunoglobulin
5 chains, the genes that code for the immunoglobulin
6 chains, and the level of maturation associated with
7 those specific changes.

8 Q. Are you aware of any mechanism or any
9 agent that causes that mechanism to change, at least,
10 and leads to the development of multiple myeloma?

11 A. Definitively, no.

12 Q. Well, do you have an opinion about any
13 agent or causative factors that go into the
14 development of multiple myeloma?

15 A. Multiple myeloma is one of the most
16 frequent types of hematopoietic or lymphoid neoplasms
17 that is seen in man, certainly with old age, and from
18 that standpoint is a relatively frequent occurrence.
19 There has been some question raised as to whether or
20 not multiple myeloma can be caused as a function of
21 chronic benzene exposure. At the present time I
22 don't think that there is certainly compelling
23 evidence to indicate that it is, although it
24 certainly has been suggested. And at this point I
25 have not yet reached an opinion on it.

AVERY REPORTING SERVICE, INC. (303) 825-6119

73

1 Q. Another disease, Hodgkin's disease.

2 Would you tell me your understanding of Hodgkin's
3 disease and how it fits into this blood system, if it
4 does?

5 A. Hodgkin's disease is from a cellular
6 standpoint a very complex entity. There are a number
7 of different variations, but it appears to be a
8 disease of deregulation, if you will, of normal
9 lymphocyte behavior, primarily T-cells, and involves
10 constellation of other cells as well in the way the
11 lesion is expressed. It is a disease of the
12 peripheral lymphoid system. It arises from, I think,
13 most likely T-cells in the peripheral. There is
14 still some debate as to causation, although I think
15 most authorities agree we are looking at primarily a
16 T-cell lesion.

17 Q. Is this a lesion that starts out in one
18 of the precursor cells, or is it one that starts out
19 in the ultimate T-cell itself?

20 A. Most likely a T-cell.

21 Q. How is it that so many T-cells can be
22 converted if T-cells are one of these groups of cells
23 that don't reproduce themselves, or are they?

24 A. They are.

25 Q. So in other words, you are saying the
AVERY REPORTING SERVICE, INC. (303) 825-6119

74

1 T-cell reproduces itself, it has a genetic defect,
2 and the defect is repeated also?

3 A. The lymphoid cells are distinguished
4 from the other cells that are descended to the
5 myeloid lineage in that they retain the ability under
6 certain circumstances to proliferate. They have, as
7 I said before, very elaborate control mechanisms that
8 are apparently at work to keep them from doing so in
9 an unregulated fashion, but they have that
10 capability. From that standpoint they are not in the
11 same category as the other cells of myeloid lineage.

12 Q. Are you aware of any efforts in the
13 case of Hodgkin's disease to see if the lesion, if
14 that's the right word, occurs further up the cell
15 division line back over into some of these stem
16 cells?

17 A. I would not be surprised at all if in
18 fact there was research under way to delineate the
19 target cells in Hodgkin's. At the present time I'm
20 not familiar with the latest literature in that area.

21 Q. Are you familiar with a disease called
22 myelofibrosis?

23 A. Yes, sir.

24 Q. What does myelofibrosis mean to you in
25 the context of the system?

AVERY REPORTING SERVICE, INC. (303) 825-6119

75

1 A. Myelofibrosis is a condition that's
2 associated with the fibrous displacement of normal
3 hematopoietic tissue in the bone marrow and
4 frequently involves enlargement of the spleen and
5 extramudullary hematopoiesis. Myeloid metaplasia is
6 a better way to describe it in what's typically
7 called myelofibrosis. It is, as I understand it, an
8 extremely rare condition.

9 Q. Put that in terms of what is going on
10 in the body structurally, if you would, for
11 myelofibrosis. What happens with the disease with
12 these various entities in the different parts of the
13 blood forming system?

14 A. There is an abnormality that involves
15 the production of fibrous or connected tissue
16 sclerotic activity in the bone marrow, and this is
17 associated with the production of hematopoietic
18 tissue, which is normally located in the bone marrow,
19 to the spleen and perhaps other organs as well,
20 sometimes the liver. The spleen is the principal
21 organ. One sees production of blood in the spleen,
22 one can also see the same types of sclerotic changes
23 in pathology in the spleen as well.

24 Q. Are you saying that myelofibrosis,
25 then, is damage to the house where the blood is built
AVERY REPORTING SERVICE, INC. (303) 825-6119

76

1 as opposed to damage to the cells that actually make
2 up the blood and turn it into the different
3 components of the blood?

4 A. I think our knowledge base with respect
5 to myelofibrosis is very weak and sparse. On a very
6 crude level that's certainly one hypothesis that
7 could be explored. As to causation of myelofibrosis,
8 the only--I don't know of anything that causes
9 myelofibrosis.

10 Q. Are you aware that benzene has been
11 implicated as a cause of myelofibrosis?

12 MR. ZATZ: Objection to the form. You
13 can answer.

14 A. I am aware that there are cases,
15 extremely few, that have been included in studies
16 that purport previous benzene exposure. To my
17 understanding there has been no demonstration that
18 myelofibrosis is in fact associated with benzene
19 exposure.

20 Q. (BY MR. HOBSON) Is that an area you
21 have looked at at all, or is this what you have
22 picked up in casual reading on other subjects?

23 A. I have read extensively in the benzene
24 literature associated with both the bone marrow
25 damage caused from acute or prolonged exposure to
AVERY REPORTING SERVICE, INC. (303) 825-6119

77

1 high concentrations as well as leukemia and
2 mechanisms of leukemia genesis. I don't see anything
3 that would lead me to conclude that myelofibrosis is
4 a consequence of benzene exposure.

5 Q. Is myelofibrosis a fatal disease in
6 humans?

7 A. It can be, yes.

8 Q. Is it always?

9 A. Always is a harsh word. I
10 doubt--always applies to many things.

11 Q. How about usually, then?

12 A. Usually. I'm not a clinician, but as I
13 recall reading that literature it has a fairly dismal
14 prognosis, although I think it can go on for extended
15 periods of time, and in some cases it may go on for
16 ostensibly normal life span, although again I'm not a
17 clinician, so from that standpoint I probably
18 shouldn't even be commenting on it.

19 Q. Would you know if a person who has been
20 diagnosed with myelofibrosis would go on to develop
21 either leukemia lymphoma or multiple myeloma?

22 A. I believe in some percentage of cases
23 that I could not quote, we could look it up, I have
24 got Jandl right here, some percentage of patients
25 with myelofibrosis may go on to develop AML. With
AVERY REPORTING SERVICE, INC. (303) 825-6119

78

1 respect to the other diseases you mentioned, I'm not
2 sure.

3 Q. Can you enlighten us as to a mechanism
4 for going from myelofibrosis to AML?

5 A. No, I can't.

6 Q. You mentioned earlier myelodysplastic
7 syndrome, and I've seen you abbreviate that as MDS?

8 A. Yes.

9 Q. To save my tongue can we refer to
10 myelodysplastic-

11 A. That's fine.

12 Q. You said, I think, in the beginning of
13 the deposition that MDS is really replacing more
14 archaic terms, I think you said, such as preleukemia,
15 and you mentioned some other things. Tell me how you
16 meant to use MDS as far as what it encompasses. What
17 diseases that are historically recorded would you put
18 in the MDS category?

19 A. Well, the classic one that is in there
20 is refractory anemia, or sideroblastic anemia, but
21 there are several different ones that are put under
22 that heading. When I think of MDS myself in the
23 context of bone marrow damage, I sometimes include
24 the more classic transient phenomenon such as
25 thrombocytopenia or lymphocytopenia. Myelodysplastic
AVERY REPORTING SERVICE, INC. (303) 825-6119

79

1 syndrome or the syndromes usually have two or more of
2 those that accompany the disease process, but I think
3 they are all evidence of bone marrow damage either
4 transient or blocked. Myelodysplasia is most
5 appropriately associated with an abnormality in, I
6 think, stem cell differentiation.

7 Q. Aplastic anemia, is that a disease that
8 you would put under the umbrella of MDS?

9 A. It would depend on the context. Most
10 usually, no. But aplastic anemia has variations as
11 well in terms of the severity of the disease. And
12 basically the suppression of various cell lines can
13 vary considerably. Aplastic anemia can be a
14 symptomatology associated with severe MDS.

15 Q. Is MDS different for humans than it is
16 for mice and rats or other test animals?

17 A. Yes. I don't think we have an
18 appropriate model for MDS that certainly is
19 extrapolated from the cross species. We have seen
20 changes in the mouse that are very reminiscent of
21 changes that could be associated with MDS in man, but
22 it is by no means an exact model of the disease in
23 man. I don't know of any other.

24 Q. You don't know of any other what?

25 A. Model for myelodysplastic syndrome.

AVERY REPORTING SERVICE, INC. (303) 825-6119

80

1 Q. In man?

2 A. I know of no animal model that
3 completely reproduces the disease as it is seen in
4 man.

5 Q. Doesn't that cause you a great concern
6 in extrapolating your findings from rats and mice to
7 man when we start talking about myelodysplastic
8 syndrome and the development of these different kinds
9 of cancers of the lymph and blood?

10 A. No, not legally. Basically you are
11 working with different pieces of information. You
12 are looking at from a mechanistic standpoint the
13 behavior of isolated murine and human stem cells
14 behave in similar fashion. There do not seem to be
15 any major differences in their behavior. The
16 clinical entities between the clinical entities of a
17 mouse leukemia model or any other animal leukemia
18 model appears to be both strain and species
19 specific.

20 You can't translate directly to man the
21 clinical manifestations of the diseases, they are
22 very difficult to do. One has to rely a great deal
23 on human literature to evaluate what goes on in man,
24 I agree, but certainly you can use the information we
25 have on the behavior of the various cell populations
AVERY REPORTING SERVICE, INC. (303) 825-6119

81

1 to interpret and to refine those observations made in
2 man.

3 Q. I think you mentioned earlier today
4 that one of the things that you have relied on in
5 forming your opinions as far as literature is the
6 human epidemiology?

7 A. Yes.

8 Q. What specific studies can you cite me
9 to in human epidemiology that you have relied upon?

10 A. For what question?

11 Q. Start off with the abilities of benzene
12 to cause any leukemia in man.

13 A. I'm sorry, I don't understand the
14 question. Do you want me to cite for you which
15 papers have anything to do with leukemia in man and
16 benzene exposure or specific leukemias? I'm not-

17 Q. I'm interested in the human
18 epidemiology studies that you rely upon in forming
19 your opinions as you expressed earlier in the day. I
20 think you said that benzene causes acute myelogenous
21 leukemia in your opinion and not other kinds. If you
22 have human epidemiology that supports that, I would
23 like to know what it is that you find significant.

24 You said earlier before you would opine
25 that AML is caused by benzene exposure you would want
AVERY REPORTING SERVICE, INC. (303) 825-6119

82

1 to see some precursor situations, and I would like to
2 see the human epidemiology you have that supports
3 that that you rely upon.

4 A. The literature I rely on with respect
5 to the relationship between benzene and AML and not
6 other forms of leukemia are the Rinsky study, which
7 I'm sure you are familiar with. Although I would not
8 in the strict sense call it epidemiology, the
9 collections and case studies of Dr. Viglianni and
10 Dr. Aksoy. The Yin study in China, and to a certain
11 extent the Wong study.

12 Q. Which Wong study?

13 A. I would have to in order to give you
14 specific citation, I don't think I brought that one,
15 so I would have to give that to you.

16 Q. Are you talking about the Wong study
17 that was done for the Chemical Manufacturers
18 Association of Benzene Exposed Chemical Workers?

19 A. I believe so.

20 Q. Now if I remember right for the Wong,
21 Chemical Manufacturers Association Workers, none of
22 these men had AML?

23 A. That study has some problems with
24 respect to interpreting the control group and also
25 with respect to the exposure. That's why when I
AVERY REPORTING SERVICE, INC. (303) 825-6119

83

1 mentioned it I qualified it. There is something
2 unique about that population. Even so, I don't think
3 the study demonstrates a relationship with the other
4 types of leukemias, but it does--it is anomalous to
5 the extent that it--there are no AMLs in that study,
6 you are correct. It is definitely a unique study in
7 the benzene literature.

8 Q. You mentioned the Yen study?

9 A. Yes.

10 Q. The Yen study, the Chinese study, they
11 found a statistical significant excess of CMLs, did
12 they not?

13 A. That is what they reported, yes.

14 Q. Do you not believe that?

15 A. No, sir, I don't.

16 Q. Why do you think it is untrue?

17 A. The Chinese, the Yen study was
18 conducted by collecting diagnoses that were made at
19 approximately 27,000 different sites throughout
20 China--2,700 maybe, I think I'm off. The diagnoses
21 vary considerably from one place to another in terms
22 of sophistication and also with respect to accuracy.
23 In the case of AML it is not really a
24 major issue. With respect to CML it is very
25 important because they don't have cytogenetic
AVERY REPORTING SERVICE, INC. (303) 825-6119

84

1 analogies as part of the diagnostic paradigms that
2 they use in general. From that standpoint it is very
3 likely that cases of myelodysplastic syndrome in one
4 version or another are going to be misdiagnosed as
5 CML because they don't have the sophistication for
6 diagnosis of CML that we commonly require in the
7 west.

8 Q. I thought I understood you to say
9 earlier that if a person has MDS they are more likely
10 to develop AML and not CML?

11 A. That's correct.

12 Q. How is it that you can have a person
13 with MDS and misdiagnose it as CML?

14 A. Because you are looking at a chronic
15 proliferative syndrome that may involve relatively
16 mature granulocytes.

17 Q. So you believe that it is more likely
18 to misdiagnose a leukemia as a CML than it is as an
19 AML?

20 A. It is not more likely to--you are not
21 more likely to misdiagnose an acute--could you repeat
22 the way you said it so that I can answer it--answer
23 the question directly?

24 Q. Right. CML frequently goes into a
25 blast crisis which leads to death?

AVERY REPORTING SERVICE, INC. (303) 825-6119

85

1 A. That's correct.

2 Q. If you see it in a blast crisis you are
3 going to call it an AML. if you don't know about the
4 previous history, that's a misdiagnosis?

5 A. It's possible. That's more likely than
6 confusing an AML with the CML. If one is dealing
7 with an immunoblast or a lymphoblast crisis you are
8 not likely to misdiagnose it. And if you have got
9 cytogenetic backup you probably will not misdiagnose
10 it because you can confirm in fact that it was a
11 CML. What I'm saying is that whether you have got
12 chronic protracted abnormalities associated with
13 myelodysplastic syndrome and/or chronic abnormalities
14 resulting from bone marrow damage, that the
15 distinction between myelodysplastic syndrome and CML
16 can be very difficult. In fact there have been
17 studies conducted in the United States that
18 demonstrate that in the absence of that kind of
19 cytogenetic sophistication, well over 90 percent of
20 cases can be misdiagnosed.

21 Q. You are familiar enough with
22 epidemiology to know that the comparison group being
23 a general population comparison group, if errors are
24 made in the study group, the same kind of random
25 errors such as misdiagnosis are going to be made in
AVERY REPORTING SERVICE, INC. (303) 825-6119

86

1 the reference population and those should cancel out;
2 isn't that the theory of epidemiology?

3 A. That does not hold in this specific
4 case because I would fully expect that in populations
5 exposed to high levels of benzene you are going to
6 have an increase in myelodysplasia, you are going to
7 have bone marrow lesions and suppression and an
8 increased incidence in myelodysplastic syndrome.

9 Q. Has this been followed up as far as you
10 know in the Yen study to confirm there has been this
11 misdiagnosis?

12 A. The NCI, I believe, is currently
13 conducting a study in China now to clarify issues
14 such as that. I don't know what stage they are.

15 Q. You don't know?

16 A. I don't know what stage they are at the
17 present time.

18 Q. Are you aware that other
19 epidemiological studies have found significantly
20 excessive amounts of CMLs in benzene exposed workers?

21 A. No.

22 Q. Are you aware of statistically
23 significant increases in leukemias in benzene exposed
24 workers besides Wong studies of the CMA workers where
25 no AMLs were found?

AVERY REPORTING SERVICE, INC. (303) 825-6119

87

1 A. No. Excuse me, could you repeat that
2 last question.

3 (Whereupon, the last question was
4 read.)

5 A. My answer is the same, although I would
6 qualify that by saying that where the epidemiology
7 studies in the rubber industry show an excess of
8 certainly what appears to be lymphoid neoplasms. But
9 the issue of exposure, I think, is one that is not
10 consonant with benzene. There may be some benzene
11 exposures that are documented in some of those
12 studies, but it's a much more complicated exposure
13 paradigm than where you have got relatively clear-cut
14 exposure to just benzene. Those studies are
15 different. Their findings are different.

16 Q. I don't understand that answer. You
17 need to tell me, if you could, a little bit more
18 about what you mean about these exposure paradigms
19 that are not just benzene in rubber workers.

20 A. Well, any situation where you have
21 potential for exposure to benzene, certainly based
22 upon our knowledge of benzene toxicity of the blood
23 and bone marrow and its relationship to AML, it
24 certainly serves as a point of departure for making
25 assumptions as to potential relationship to causing
AVERY REPORTING SERVICE, INC. (303) 825-6119

88

1 leukemias of different types, even though the studies
2 demonstrate fairly clearly a relationship with AML.
3 When you look at the rubber industry
4 where benzene exposure has been hypothesized as
5 playing a role, one sees a different pattern of
6 leukemias and lymphomas, including lymphoid. My
7 initial impression with that literature prior to
8 reviewing it in detail was that I certainly didn't
9 have a strong opinion, but I freely related the
10 opinions of others on whether or not there were other
11 tumor types associated with benzene exposure in those
12 populations.
13 But after reviewing the literature in
14 detail and taking into account the potential
15 exposures, I'm convinced that there is evidence to
16 suggest, fairly strong evidence, that benzene is not
17 the causal agent in those studies. That you are
18 looking at a different exposure situation and
19 different constellation of diseases than you see with
20 benzene.
21 Q. Do you have an opinion as to what the
22 cause of these other disease pat-terns in rubber
23 workers would be if it is not benzene?
24 A. I can relate to you in the case of
25 certainly the Checkoway study that a greater
AVERY REPORTING SERVICE, INC. (303) 825-6119

89

1 association was found with carbon tetrachloride and
2 with carbon disulfide than with benzene. My
3 interpretation of that is not that carbon disulfide
4 and carbon tetrachloride have been demonstrated to
5 cause these lesions, but certainly benzene is not an
6 age actor in that exposure scenario.
7 Rubber industry and tire manufacture is
8 an incredibly complicated industrial picture from the
9 standpoint of evaluating exposures. Exposures, I
10 think, are very complicated, and I see no evidence in
11 that literature taking it in its entirety to suggest
12 that benzene is the cause of that particular pattern
13 of lesions.

14 Q. Would you even go so far as to say that
15 those kinds of disease patterns that you found for
16 rubber workers, the increase in, I think you said the
17 lymphomas, are those occupational diseases?

18 A. I think there is reason to be concerned
19 about exposure in the rubber industry. I think that
20 the studies suggest there is an increased incidence
21 of lymphoid neoplasms. I do not know why, and it is
22 inconsistent with my knowledge of the benzene
23 literature where in fact we have reasonably clear
24 cut exposures to benzene.

25 Q. Are you reasonably well satisfied,
AVERY REPORTING SERVICE, INC. (303) 825-6119

90

1 though, that you can say no lymphoid neoplasms are
2 occupational related even though you can't say which
3 agent in this complex environment was the cause?

4 A. There is reason to conclude that there
5 are excesses in lymphoid neoplasms. With respect to
6 individual ones I would feel much less certain about
7 speculating on specific causes.

8 Q. I'm not even asking you to do that.

9 I'm saying, look at the group. If you had a study
10 and it reported 15, would you be in a position to
11 opine that any one of the 15 was an occupational
12 disease not even knowing what specific agent was the
13 cause?

14 A. If you had previous studies which
15 demonstrate specific increases in the specific tumor
16 type, yes. I would not want to do it on the basis of
17 a collection of different types of tumors. I don't
18 believe that's biologically plausible.

19 Q. Isn't that where you are, though, on
20 the lymphoid neoplasms of rubber workers?

21 A. To a certain extent, yes. We could
22 look at individual studies, if you want. If we are
23 going to talk about specific types I would like to,
24 but the problem there is that in the conduct of most
25 of those studies the object has been to get enough
AVERY REPORTING SERVICE, INC. (303) 825-6119

91

1 power to see something. So there has been a lot of
2 lumping, and the lumping makes it very difficult to
3 figure out exactly what's going on. Is there an
4 increase in the general categories? There appears to
5 be.

6 Q. The lumping of the different kinds of
7 diseases dilutes the ability to remove that
8 confounding factor; is that what you are saying?

9 A. The lumping does not allow you to look
10 at specific causation for specific tumor types.

11 Q. And it is a confounding factor?

12 A. Lumping is a confounding factor, yes.

13 Q. And the same token if we start looking
14 at a specific disease category and looking at
15 exposure levels necessary to produce a given disease,
16 if we lump different exposure categories together,
17 that's another confounding factor that dilutes our
18 ability to answer that question too, isn't it?

19 A. I may not be speaking to your question
20 because I'm not sure I understand it, but analysis of
21 exposure is one of the weakest aspects of
22 epidemiology in general. Very hard, very difficult.

23 Q. Getting back to the diseases for a
24 moment. Do all CMLs demonstrate the Philadelphia
25 chromosome that you talked about earlier?

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 A. No. There is a very small percentage
2 that do not.

3 Q. Where do we classify the CMLs without
4 causing a Philadelphia chromosome?

5 A. About half of them, or roughly half of
6 them, can be shown to have the same molecular lesion
7 in terms of the rearrangement of genes that occurs in
8 Philadelphia chromosome positive CML. There is
9 another proportion of those that very well are missed
10 diagnoses in the sense that a recent study conducted
11 4 at the University of Chicago demonstrated that in
12 some 27 collected cases in the Philadelphia
13 chromosome negative CML, 26 of them, I think, it was
14 either 25 or 26, were rediagnosed as myelodysplastic
15 syndrome. So without the cytogenetics or the
16 molecular genetics that diagnosis is not simple even
17 in the United States.

18 Q. Explain to me again. You said that the
19 Philadelphia chromosome is a specific chromosome, and
20 yet-

21 A. It's a translocation. It's not--it's a
22 translocation of bits and parts of 2, 9 to 22.

23 Q. And then you said that there are some
24 of the CMLs that have a translocation that resembles
25 the Philadelphia chromosome?

AVERY REPORTING SERVICE, INC. (303) 825-6119

93

1 A. They have a molecular change that's
2 very similar to what's seen in the Philadelphia
3 chromosome associated with the cluster region and the
4 proto-onco gene, but they don't have the
5 demonstrable--we are talking about a very refined
6 molecular change that is not demonstrable physically
7 in the eyes of the Philadelphia chromosome, but they
8 have the same molecular changes that are seen with
9 individuals who have the Philadelphia chromosome.

10 Q. Whose work is that that you are talking
11 about?

12 A. Name that comes to mind right off the
13 bat is Kurzrock at Texas. There are several others,
14 but she's done quite a bit.

15 Q. Do the CMLs that have the Philadelphia
16 chromosome and the CMLs that have the similar
17 translocation manifest themselves in the same way?

18 A. As I recall those studies, they are
19 very similar. They are very similar
20 characteristics. In fact the motivation of doing
21 that type of detailed analysis is from a prognostic
22 standpoint.

23 Q. From a--

24 A. For prognostic reasons.

25 Q. So the CMLs that either do not have the
AVERY REPORTING SERVICE, INC. (303)825-6119

94

1 Philadelphia chromosome or don't have this other
2 translocation similar to it you say are misdiagnosed
3 as MDS?

4 A. Not necessarily all of them, but there
5 is certainly compelling evidence to suggest that
6 that's a major problem for that relatively small
7 proportion of cases. I'm not saying that all of them
8 are misdiagnosed. I'm saying that there is evidence
9 that in the absence of that kind of information it is
10 a very difficult call.

11 Q. Do humans who develop MDS later develop
12 CML?

13 A. Not to my knowledge, no.

14 Q. What about other leukemias besides CML?

15 A. AML? Certainly myelodysplastic
16 syndrome secondary to bone marrow damage.
17 Chemotherapy has a very high predisposition to
18 transform into AML.

19 Q. Are you not aware of any studies that
20 show people who have received chemotherapy go on to
21 develop CML?

22 A. Not in the absence of combined
23 radiation therapy.

24 Q. Requires both?

25 A. Yes. And there have been--that

AVERY REPORTING SERVICE, INC. (303) 825-6119

95

1 literature base is very large.

2 Q. Does radiation produce CML in humans?

3 A. Yes, there is evidence for that.

4 Q. How do you explain that biologically on
5 your chart here that you have prepared?

6 A. In terms of what mechanistic

7 understanding we have of it. First of all, it is a

8 finding, it's a fact, it's an observation that

9 appears to be very reproducible throughout the

10 literature. Taking that as fact, if one looks at the

11 processes that are involved in damaging cells,

12 chemotherapeutic agents tend to--cells are more

13 susceptible to most chemotherapeutic agents during

14 cycle when they are dividing.

15 Radiation, on the other hand, tends

16 to--cells are actually more susceptible when they are

17 at rest. So radiation has a much greater potential,

18 if you will, for targeting a predominantly resting

19 population, and that's the best explanation that I

20 can give for it. Radiation can also affect dividing

21 cells, but there are no chemicals that I know of that

22 are associated with the production of CML.

23 Q. What other arrays of blood and lymph

24 diseases are you aware of associated with radiation

25 exposure, ionizing radiation?

AVERY REPORTING SERVICE, INC. (303) 825-6119

96

1 A. Ionizing radiation causes a large
2 variety of different tumor types within the
3 hematopoietic system in the lymphoid system. The
4 only disease process, the only malignant disease
5 associated with the hematopoietic and lymphoid system
6 that I would say categorically can't be caused by
7 radiation is chronic lymphocytic leukemia, CLL.
8 There is no evidence that anything causes CLL.

9 Q. Can you explain mechanistically why
10 ionizing radiation can have such a broad effect,
11 whereas other agents, chemical agents, cannot?

12 A. Not with any--it would be wild
13 speculation. Radiation doesn't follow
14 pharmacokinetic or metabolic pathways. You are not
15 looking at activation, you are not looking at
16 distribution that can be compartmentalized in any
17 number of different ways. You are looking at
18 potentially different combinations of molecular
19 mechanisms that could be involved. With radiation
20 these things are pretty well simplified, and that may
21 or may not impact on why radiation has such a broad
22 spectrum. I would say the fact that radiation with
23 target resting cells is fairly important probably
24 plays an important role.

25 Q. How would you design an experiment to
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 show that a chemotherapeutic agent attacks dividing
2 cells as opposed to resting cells or vice versa?

3 A. Well, most of the changes that are seen
4 associated with chromosomal abnormalities require
5 cell division for those abnormalities to occur.
6 T-cells are more susceptible in terms of cytotoxicity
7 during that period, and I think you will find it is
8 generally--it's a generally accepted concept in the
9 medical scientific community that most
10 chemotherapeutic agents act on cytotcells. There are
11 some exceptions, but by the same token these appear
12 to be T-cells that are most susceptible to the
13 effects.

14 Q. Isn't that looking at the result as
15 opposed to the effect, the kind of studies you just
16 described?

17 A. You are faced again with the--you can't
18 have it both ways. You are faced again with the
19 problems, just the innate problems of the field, you
20 can't prove a negative. It is very difficult to
21 prove a negative. You can only do so if you have got
22 evidence to demonstrate that all your other
23 technology and techniques are sufficiently sensitive
24 and specific to see if it was there.

25 That's where I think we are with CML.

AVERY REPORTING SERVICE, INC. (303)825-6119

98

1 It is really clear what T-cell type CML is. It is
2 not proved to be anywhere near as easy to demonstrate
3 a relationship for other target cells for other
4 diseases.

5 Q. What evidence do you have that
6 radiation affects cells at rest?

7 A. I would have to go back in the
8 literature and find that for you. That comes out of
9 the radiation biology work that was done subsequent
10 to surrounding the studies done following the bombing
11 in Japan during World War II. Some of Gene
12 Cronkite's work looking at radiation sensitivities.
13 I can find that for you, but I don't have it at my
14 fingertips now.

15 Q. Don't all those studies require at
16 least one cell division to occur before you can find
17 a result?

18 A. I can tell you for a fact that if you
19 don't have replication you are never going to see
20 malignancy because if it doesn't divide you are not
21 going to have it.

22 Q. Doesn't that in and of itself say that
23 you don't really know that radiation effect is coming
24 from attacking a cell at rest?

25 A. The susceptibility of the cell

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 populations to the cytotoxic effects of the agent can
2 be demonstrated in the case of radiation resting
3 cells are as sensitive, if not more, slightly more
4 sensitive than dividing cells. For chemotherapeutic
5 agents dividing cells are clearly the most sensitive
6 population.

7 Q. That's what I was asking earlier is how
8 would you design a study to demonstrate that?

9 A. By looking at the relative dosimetry of
10 the effects of whatever agents you want on
11 predominantly resting or dividing cell populations.

12 Q. Isn't that just answering in a circle?

13 A. That is how you would go about
14 designing an experiment to look at it. I'm not quite
15 sure what you are driving at.

16 Q. Earlier you listed for me some of the
17 metabolites of benzene, and I didn't hear you say
18 catechol.

19 A. Catechol produces some effects on the
20 lymphoid system when you look at it in various
21 tests. It doesn't appear to be as effective in
22 interfering with cell replication as the others,
23 which is why I didn't discuss it. We were talking
24 about replication effects, and in that context
25 catechol, although it has some activity, it's much
AVERY REPORTING SERVICE, INC. (303) 825-6119

100

1 less potent than the others, than the quinones.

Q. If I understood what you told me

3 earlier, the toxicity of the benzene metabolites in

4 humans to you is not of consequence?

5 A. Independently?

6 Q. Yes.

7 A. No, I think you would be hard-pressed

8 to produce the same effects that you get when you

9 expose someone to high levels of benzene.

10 Q. What about a person who already has an

11 immunological disease, would the toxicologic effects

12 of the benzene metabolites have an effect on a person

13 who already has leukemia or lymphoma?

14 A. It might be beneficial if it had any

15 effect at all, and I doubt it would be easy to

16 demonstrate it. You are much more likely to have--in

17 order to get high enough concentrations that could

18 possibly deliver sufficient material to a relevant

19 target organ like the bone marrow, you would have

20 acute and subacute toxicity in other organ systems.

21 Q. What role does the benzene metabolite,

22 or I should say the benzene metabolites, what role do

23 they play in the regulation of the development of

24 these different cell lines of the blood and the

25 lymph?

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 A. If I knew the answer to that I could
2 probably get a free ride to Stockholm. That's one
3 thing we are definitely interested in determining.
4 It looks as though some hydroquinone has effects on
5 one particular stroma population in the bone marrow
6 known as the bone marrow macrophages that alters
7 lympho production. How, we don't know. That appears
8 to have effects on in turn development of certain
9 other cell types, but I don't think there is a
10 scenario that paradigms what I just described to you
11 that would impact on the development, the initiation
12 of a leukemia. I think you have to look for a direct
13 effect on cell population. So I would say that
14 exactly what's going on, the jury is still out.
15 Q. Maybe I have been using some bad
16 terminology in trying to communicate. If you have a
17 material that affects either the rate of cell
18 production or the direction of cell development,
19 would you be calling that effect something other than
20 a toxic effect?
21 A. Yes.
22 Q. What do you call that effect?
23 A. Well, I can give you--there are
24 examples. There are a number of endogenous materials
25 that regulate what direction these things go, or at
AVERY REPORTING SERVICE, INC. (303) 825-6119

102

1 least their rate of--their proliferation in response
2 to different stimuli that are involved in the
3 physiology of controlling the regulating
4 hematopoiesis.

5 Q. What do we call that change? What
6 shall we call it if not toxic effects, what-

7 A. That goes on in normal everyday
8 physiology. That's how the process adjusts to any
9 kind of insult we may have, whatever it is. Given
10 that, why don't you rephrase the question so I
11 understand what you are looking for.

12 Q. Some treatments of disease attempt to
13 regulate this cell division and cell development
14 direction, do they not?

15 A. Are you talking in terms of
16 chemotherapeutic agents or new experimental therapy
17 in terms of altering regulation of hematopoiesis?

18 Q. Either one.

19 A. They are totally different.

20 Q. Tell me how they are different and what
21 we call the mechanism in each case so we can
22 communicate.

23 A. Chemotherapeutic agents target dividing
24 cells, certainly those that we are talking about for
25 the most part with respect to the hematologic

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 abnormalities. They kill them basically. The
2 cytotoxicity. Some are cycle specific, some are
3 phase specific, some are not. Those are agents that
4 are used in the treatment of cancers. Cancers tend
5 to be fairly rapidly proliferating so the bone marrow
6 cells, ergo, you have a problem in preserving and
7 protecting the bone marrow while attempting to kill
8 off tumor cells.

9 There are newer therapies that are
10 experimental at this stage that attempt to either
11 redirect or alter the progression of diseases such as
12 myelodysplastic syndrome and some of the leukemias by
13 using growth factors which had been purified and
14 isolated that are known to affect the normal
15 differentiation processes in the bone marrow. These
16 are highly complicated systems that we don't fully
17 understand, and they are largely experimental.

18 Q. Do those kinds of effects have a name
19 that you use? The second example you gave me of
20 giving direction to cell development.

21 A. I'm not exactly sure what you mean, so
22 I would hesitate to throw out a word because I'm not
23 quite sure what you are-

24 Q. What I'm getting to is does exposure to
25 a chemical such as benzene play any part in this

AVERY REPORTING SERVICE, INC. (303) 825-6119

104

1 direction of cell development, cell proliferation,
2 and indeed the development of defense mechanisms that
3 are involved with the fighting of leukemia? Not in
4 fighting a person who is diseased with leukemia, but
5 a person who has had the effect and how the body's
6 defenses are brought into play?

7 A. I see about three questions in there.

8 Let me answer the one that I can focus on right
9 away. Anything that affects cells here, takes them
10 out.

11 Q. Here being?

12 A. In the differentiating blood and immune
13 cell compartment where we are producing this rapid
14 division committed cells, anything that destroys a
15 significant number of cells in any one of these
16 pathways, be it the lymphoid, erythrocyte,
17 granulocyte, platelet, what have you, it's going to
18 have an impact on the regulation of the entry of
19 cells into this compartment.

20 That's physiologic response. That's
21 how we can respond to any kind of damage, whether it
22 is loss of blood cells, damage to precursor cells,
23 that's at some point--the system can absorb major
24 challenges with respect to production of these cells
25 and still effectively produce normal cells. It does
AVERY REPORTING SERVICE, INC. (303) 825-6119

105

1 so through a number of mechanisms. Ultimately if
2 there is enough damage out here you are going to see
3 a reorientation of the number of dividing precursor
4 and stem cells in this early compartment. And
5 benzene will do that just like any number of other
6 agents will.

7 Q. Now that's not really the question that
8 I was asking, although I accept that as an answer to
9 a question that was in there. What I want to know is
10 now assume that we have a major insult to one of
11 these cell systems. To communicate that information
12 back to the stem cells to tell them to produce, to
13 tell them which way to produce, where the need is,
14 that's a different mechanism than the destruction of
15 the cells out here in the peripheral blood?

16 A. Yes. Yes.

17 Q. So what I'm asking you is does benzene
18 or its metabolites play any role in interfering with
19 or directing that message sending back to the stem
20 cells and giving direction as to what to do next?

21 A. Based on the one system that we have
22 that's been studied which involves hydroquinone and
23 its effects on the macrophages that I indicated, I
24 would say that's a plausible scenario. It is
25 certainly one we are investigating. I can't give you
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 a definitive answer to it.

2 Q. In the level that you are talking about
3 for hydroquinone being able to affect this message
4 transfer, this is at a level that is-different from a
5 toxic response level?

6 A. No, it's not. Well, depends on your
7 definition. It's not cytotoxic to this individual
8 cell because the cell is still alive, but it's at a
9 functional level within the cell. Now if you have a
10 profound effect on that process in the bone marrow in
11 a number of cells, you are going to have a toxic
12 phenomenon at the level of the organism, you are
13 going to have myeloid suppression, you are going to
14 have decrease in circulating cells.

15 I know of no evidence that would
16 indicate that this goes on at a level that would not
17 be associated with measurable alterations in one or
18 more cell types within the immune or the
19 hematopoietic system.

20 Q. I need for you to explain that last
21 part. I didn't follow the last part.

22 A. I think the changes as we know them,
23 and we are at the very beginnings of this field, are
24 at concentrations that are most likely--the effects
25 are most likely to be seen immediately in terms of
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 suppression of cell types, either in the marrow or
2 the peripheral blood. I don't think that there is
3 any--there is no evidence to suggest they go on at a
4 level that would not be measurable in terms of the
5 effects on the cells because we are still talking
6 about support for proliferating cells, and it is
7 manifested in a decrease in the production of cell
8 types or an alteration in the direction they go in.
9 So you are going to see it. It will be associated
10 with toxicity at the whole animal level, if that may
11 be what you were looking for.

12 Q. I think you answered my question. Have
13 you gone even so far as to look at one cause of the
14 change out here in the peripheral blood that calls
15 for the message being sent and seeing what level of
16 quinone involvement there is in interrupting a
17 message or altering the message? In other words, the
18 quinone or a benzene, for instance, with a quinone as
19 a metabolite was not the cause of the problem, but
20 the quinone coming in interferes?

21 A. You know, I'm sorry, I thought I
22 understood you before you clarified it. We know what
23 concentrations of hydroquinone will result in
24 isolated cell systems in blocking division, okay?
25 That's relatively well worked out. That is going to
AVERY REPORTING SERVICE, INC. (303) 825-6119

108

1 result in the loss of cells here, there is no doubt
2 about it. Cycling cells are more susceptible to the
3 cytotoxic characteristics of these metabolites than
4 toxic cells, no doubt about that. I don't understand
5 what you were asking with respect to the feedback
6 there.

7 Q. There are other agents that can cause
8 the circulating blood cells that are cytotoxic to the
9 circulating blood cells besides benzene?

10 A. Oh, lord, yes.

11 Q. What I'm asking is if you have one of
12 these other agents; that is, the agent that knocks
13 down the peripheral blood, have you looked at what
14 level of quinone involvement there would have to be
15 necessary to interfere with the transmission of the
16 message back to the parent cells?

17 A. I do not understand the question.

18 Other agents. I don't understand how you get other
19 agents and quinone in the same sentence. I don't
20 understand what--the paradigm I don't understand.

21 Q. Take concomitant exposures, there are
22 chemotherapy agents that are able to affect the
23 peripheral blood to knock down the different elements
24 on the peripheral bloods, right?

25 A. Yes.

AVERY REPORTING SERVICE, INC. (303) 825-6119

109

1 Q. They have nothing to do with the
2 production of hydroquinone in the body?

3 A. Yes, that's true.

4 Q. So now if you have a concomitant
5 exposure to benzene, which does give you
6 hydroquinone?

7 A. You are looking now exposed to a
8 therapeutic agent and the benzene?

9 Q. Yes.

10 A. Okay.

11 Q. Does the resulting metabolite, the
12 hydroquinone from the metabolism of benzene,
13 interfere with the transmission of information back
14 to these parent cells to do more?

15 A. There is absolutely no information on
16 that whatsoever.

17 Q. Just don't know one way or the other,
18 has not been looked at?

19 A. Has not been looked at.

20 Q. That's what I was trying to ask, have
21 you looked at-

22 A. No, we are still trying to figure out
23 what hydroquinone does. As soon as you start
24 dropping in multiple agents, you have a very complex
25 system, if it wasn't complex enough to begin with.

AVERY REPORTING SERVICE, INC. (303) 825-6119

110

1 Q. Is hydroquinone involved in this
2 process of communications back and forth to the cells
3 in a person that is not exposed to benzene or another
4 chemical agent that will result in a metabolic result
5 of hydroquinone?

6 A. You mean is hydroquinone normally
7 involved in the process?

8 Q. Yes.

9 A. Not hydroquinone. There may be other
10 quinones or alpha beta and saturated carbonyl or keto
11 structures that play a role in regulating this
12 process, but to my knowledge not hydroquinone.

13 Q. Is the reason you focused on
14 hydroquinone because of your interest in benzene
15 metabolism and its effects?

16 A. To begin with, yes, hydroquinone has
17 taken on a life of its own for different reasons
18 related to understanding how cells divide and are
19 regulated. But, yes, if you are looking for a
20 hypothesis from me, I think hydroquinone plays
21 a--probably plays a fairly important role in the
22 evolution of bone marrow damage associated with
23 benzene, which you can get from reading my papers.
24 That's nothing new.

25 Q. We have yet to address another area of
AVERY REPORTING SERVICE, INC. (303) 825-6119

111

1 your work that I wanted to go into, and that's work
2 with butadiene. Tell me how butadiene and the
3 metabolites of butadiene, if they do, come into the
4 development of the blood system.

5 (Whereupon, there was discussion
6 outside the record between the deponent and his
7 counsel.)

8 MR. ZATZ: I assume you have some wild
9 theory of what this has to do with this case or how
10 it is going to lead to relevant evidence in the cases
11 of Carter and Riddle, or are we taking Dr. Irons'
12 deposition for some other case simply because we have
13 him here?

14 MR. HOBSON: I don't think we are doing
15 that at all. Any time you depose a man who is named
16 as a witness in many other cases, obviously you can
17 say it has an impact on other cases, so I won't tell
18 you it doesn't. But at the same time I think
19 Dr. Irons' bibliography will show he has published a
20 fair amount of work on butadiene, and I think how all
21 of these chemical exposures--we sat here and we have
22 talked about chemotherapeutic agents and how they
23 develop into different diseases, butadiene is another
24 agent that affects the blood, and how all of these
25 different effects from different chemicals and the
AVERY REPORTING SERVICE, INC. (303) 825-6119

112

1 mechanisms fit together I think are important to
2 understanding how he thinks.

3 MR. ZATZ: Well-

4 MR. HOBSON: I think that's why I'm
5 here.

6 MR. ZATZ: I understand that, and I
7 didn't cut you off in quizzing him on Hodgkin's
8 disease and multiple myelomas and that sort of thing,
9 but it seems to me at some point we go beyond a
10 hematology quiz, which I think is fair game, and we
11 get into subject matter of other cases. If that's
12 what we are doing now, I think there are probably
13 other defense counsel in those cases who would
14 certainly prefer to be here when you are doing it.

15 MR. HOBSON: You going to tell him not
16 to answer, or what?

17 MR. ZATZ: No, I'm not instructing him
18 not to answer.

19 MR. HOBSON: I'm going to ask him the
20 questions.

21 MR. ZATZ: My objection is simply this,
22 just so it is absolutely clear on the record, if
23 there is some way in which all this is going to lead
24 to evidence relevant to these cases, I'll allow him
25 to answer, although I don't particularly see the
AVERY REPORTING SERVICE, INC. (303) 825-6119

113

1 relevance. If what you are doing is simply taking
2 Dr. Irons' deposition for some other cases, then I
3 feel constrained on behalf of other counsel to
4 instruct him not to answer.

5 MR. HOBSON: Well, I guess you have to
6 make a choice.

7 MR. ZATZ: Which are you doing?

8 MR. HOBSON: I'm taking his deposition
9 in this case, but I'm not going to tell you it won't
10 impact others because he has been named as an expert
11 in other cases involving butadiene too. But as I
12 said a moment ago, you said I was going through a
13 lecture on hematology, I don't think Dr. Irons holds
14 himself out as a hematologist, he is a toxicologist
15 as I understand his credentials, and we have been
16 talking about the effects of blood--of chemicals on
17 the blood, and that's where I'm going. I think
18 that's where his work has been, how do chemicals
19 affect the blood, and I want to know what his
20 opinions are and how he got there.

21 MR. ZATZ: We can call it
22 hematotoxicology if you think that's fair. At some
23 point if this appears to be simply an endeavor to do
24 discovery in some other case, I may change my mind.

25 MR. HOBSON: Just like a woman you can

AVERY REPORTING SERVICE, INC. (303) 825-6119

114

1 have that prerogative.

2 Q. (BY MR. HOBSON) I have forgotten where
3 we were, Dr. Irons, so let's start over. Would you
4 tell me based on your knowledge how butadiene fits
5 into the development of diseases of the blood as you
6 understand it.

7 A. I don't have any evidence to conclude,
8 nor do I believe, that butadiene acts in any way,
9 shape, or form in a manner similar to benzene. It is
10 a totally different agent and it acts through
11 different mechanisms. Unless you want me to just
12 outline the various studies I have done and what I
13 know about butadiene, that's the best answer I can
14 give you. Where we could spend all day talking about
15 benzene and what it does and probably not run out of
16 things I could tell you, with butadiene I'm going to
17 run out of things very quickly.

18 Q. Then we won't take much time. Are
19 there diseases that arise through this pluripotential
20 stem cell? That is, either diseases of the blood or
21 the lymph that butadiene is associated with in your
22 mind?

23 A. The number of diseases that I think
24 arise from the pluripotential stem cell in any event
25 are few and far between. In the case of butadiene,
AVERY REPORTING SERVICE, INC. (303) 825-6119

115

1 in certainly the one model I am intimately familiar
2 with, the mouse, that I have a suspicion we are
3 looking in here.

4 Q. In here being the lymphoid stem cell
5 area?

6 A. The lymphoid committed stem cell area,
7 primarily down the T-cell line, but again we are
8 talking about a totally different model in which
9 there are very likely a number of other confounding
10 factors. Huge strain specificity and species
11 specificity with respect to the behavior of butadiene
12 involving any number of different things, not the
13 least of which in the mouse is probably retrovirus,
14 and now we're getting into very deep soil. The
15 mechanisms are very, very complicated.

16 Q. My reading of some of your recent work
17 on butadiene says it wasn't just the retrovirus, that
18 there are other mechanisms involved too?

19 A. There are multiple mechanisms involved
20 with butadiene, I suspect. Do I know that for a
21 fact? No. Butadiene in the mouse, butadiene is a
22 very complicated model.

23 Q. What is the extent of your knowledge
24 about butadiene effects in a human related to the
25 blood and lymph system?

AVERY REPORTING SERVICE, INC. (303) 825-6119

116

1 A. Would you mind if I preface that?

2 Q. Surely, go ahead.

3 A. I consider epidemiology in general to
4 be a fairly weak discipline. The methodologies and
5 the power of the tests that are available to us are
6 very difficult, and it is a very difficult field to
7 work in. When I look at epi studies, therefore, I
8 like to look for patterns, not individual studies.
9 Individual study may give you an effect if it is
10 reproducible, then it is more likely to be an
11 association that you need to look at. If you have
12 mechanistic understanding of what's going on, it
13 helps in interpreting that.

14 In the case of butadiene I see a
15 tremendous amount of inconsistency with respect to
16 the available epidemiology studies. Some have
17 suggested a potential relationship with lymphoid
18 neoplasms, others have not. Others find different
19 neoplasms, and I don't believe that the same agent
20 under the same conditions is going to produce
21 different tumor types in different groups. I see no
22 reason to believe that. So at this point I think the
23 epi studies are of very limited value in establishing
24 and understanding the butadiene toxicity in man. Did
25 that answer your question?

AVERY REPORTING SERVICE, INC. (303) 825-6119

117

1 Q. It's getting there, yes. Based on the
2 work that you have done with butadiene, if you look
3 at the mechanisms, can you tell me which mechanism
4 seems to be involved in the development of the
5 lymphomas in mice? In other words, is it at the
6 genetic level? Is it some other effect? I know you
7 talked a little about the retrovirus.

8 A. I think the retrovirus influences that
9 disease. I'm not sure whether it is causal, but it
10 certainly plays a role in causation based on the
11 indirect evidence we have. A whole lot of very
12 brilliant scientists have donated their careers to
13 the trash heap of murine leukemogenesis associated
14 with retrovirus.

15 Retrovirology in the mouse is a maze.

16 Based on the chemistry of butadiene and some of the
17 metabolites we know are formed, I suspect we are
18 probably having some effects at the level of the DNA
19 or the Genome, but we are talking wild speculation.
20 It alters differentiation. I have demonstrated that
21 certainly for the hematopoietic system.

22 That doesn't seem to be--it doesn't
23 produce a very pronounced anemia, and the stuff is
24 just not very toxic from the standpoint of acute or
25 subacute effects, but it causes profound activation
AVERY REPORTING SERVICE, INC. (303) 825-6119

118

1 in the endogenous ecotropic. Retrovirus is just
2 retro and virus. If you are a mouse you don't want
3 to be exposed to butadiene.

4 Q. Where are you on knowing how butadiene
5 is metabolized in humans?

6 A. I would say we are not very close to
7 knowing how butadiene is metabolized in mice.
8 Initial studies I don't think are complete, and I
9 think the metabolism of the compounds is far more
10 complex than people have originally thought..

11 Q. So you wouldn't be able to say if the
12 same family, chemical families of metabolites exist
13 for butadiene as for benzene?

14 A. That I can tell you. No. That's easy.

15 Q. It is different?

16 A. It's different. That's probably the
17 only yes, no answer.

18 Q. Tell me what function in the human body
19 the T-cell lineage plays. I know it may be many,
20 many but give me the major roles as you understand
21 them.

22 A. The T-cell is the cell classically
23 associated with what we call cell mediated immunity,
24 cell mediated immune response, and that was
25 appreciated probably as early as we had a clear
AVERY REPORTING SERVICE, INC. (303) 825-6119

119

1 understanding of T-cells and B-cells. Over the last
2 ten to 15 years we have come to appreciate that there
3 are multiple different subpopulations of T-cells, and
4 they play an integral role in regulating and
5 mediating immune response. They are very, very
6 active in regulating their own behavior. They
7 regulate the behavior of B-cells. They are the
8 pivotal cell in regulating specific immune response.
9 Now I could go into detail. I teach
10 the subject. We could spend the next several hours
11 if you want.

12 Q. Maybe not several hours, but several
13 minutes. How in disease does the T-cell line get
14 involved? In other words, bring it down, if you can,
15 to more of a layman's language in explaining what a
16 T-cell does in playing its part in fighting off
17 disease or in warring against cancer.

18 MR.. ZATZ: I'm going to object to the
19 form of that question on this because I think it is
20 asking you two very different things.

21 A. It is.

22 Q. (BY MR. HOBSON) There are two
23 questions there, I agree.

24 A. Cell mediated immune response is immune
25 response that's targeted at diseases or organisms
AVERY REPORTING SERVICE, INC. (303) 825-6119

120

1 that are not effectively removed simply by producing
2 antibodies to them. It may take up residence inside
3 cells. So cell mediated means basically that the
4 T-cell and the cell response is targeted towards
5 destroying cells that are infected with a foreign
6 agent as a means of stopping the spread of the
7 agent.

8 So T-cells are involved in recognition
9 of self and recognizing whether there is something
10 nasty inside the cell like a virus that needs to be
11 eliminated. And it does so by recognizing some
12 aspect of the virus on the surface of a cell that it
13 otherwise recognizes as part of self. It also helps
14 regulate antibody production and has several
15 different classes of T-cells that help regulate their
16 own response and antibody response.
17 So it goes up and it comes down and you
18 have some appropriate control over the process. It's
19 a very complicated network involved in providing us
20 with immunity to infectious agents that are basically
21 intracellular.

22 Q. You have answered my question as to the
23 disease aspects, primarily, I guess, infectious
24 disease.

25 A. Right.

AVERY REPORTING SERVICE, INC. (303) 825-6119

121

1 Q. Does the T-cell play any part in
2 warding off cancer in the human body?

3 A. There are animal models that suggest
4 that profound immunosuppression plays a role in the
5 incidence or development of certain types of
6 neoplasms, various specific mouse models, so
7 certainly some aspects of T-cells, primarily the
8 cytotoxic T-cells and perhaps another cell type
9 that's not really within the T-cell lineage, the NK
10 cell, play a role in mediating against the
11 development of tumors, certain types of tumors for
12 sure.

13 The concept of immune surveillance has
14 been around for a long time. There are very few
15 systems, I can't think of a single model where I
16 would say immune surveillance has been absolutely
17 demonstrated in that context where a single cell is
18 acted upon by a system. Certainly the immune system
19 plays a role in animal models in susceptibility to
20 tumor development, certain types of tumor
21 development.

22 In man the evidence is, of course, a
23 little bit different because we can't go around
24 setting up these nice control groups and exposing
25 people to things. There is certainly evidence that
AVERY REPORTING SERVICE, INC. (303)825-6119

122

1 immunosuppression involved in treating various
2 autoimmune diseases and in transplantation cases can
3 lead to the development of certain types of tumors,
4 primarily those of lymphoid origin, it certainly
5 predisposes to that.

6 The problem is in almost all the
7 studies that have been conducted you are not looking
8 at something that is selectively immunosuppressive,
9 you are usually dealing with the administration of
10 alkylating agents as well, which gets back to the
11 whole issue of secondary tumor types, and it is a
12 very complex picture. But certainly for cells of the
13 immune system or lymphoid tumors there is some
14 evidence that immune surveillance plays a role, if
15 only inferentially from the studies of
16 immunosuppressed patients.

17 Q. Do you know if there is butadiene
18 exposure in a tire plant?

19 A. I am not an industrial hygienist. I
20 rely on industrial hygiene data to render an
21 opinion. If you want to know whether I personally
22 know if there has been a butadiene exposure in a tire
23 plant, my answer is no.

24 Q. Do you know of a compound called
25 tertiary butacatechol?

AVERY REPORTING SERVICE, INC. (303) 825-6119

123

1 A. Tert butacatechol, I have heard of it.

2 Q. Do you know of any of its uses?

3 A. No.

4 Q. Do you know if tert butacatechol, as

5 you have referred to it, how it structurally compares

6 to the catechol that is the metabolite of benzene?

7 A. No. Any substitution to that ring is

8 going to alter the chemistry of the catechol

9 dramatically.

10 Q. Have you seen any information about

11 metabolism of tertiary butacatechol?

12 A. No.

13 Q. I would like to get, if I could, your

14 understanding of some different terminology so that I

15 can hopefully understand how you might have used it

16 or might use it in the future. A mutagen. How would

17 you use the term mutagen?

18 A. The way it is most popularly used is

19 the way I refer to it, and that is something that

20 actively causes directly a mutation. Mutagenic event

21 at the level of the DNA.

22 Q. And to go further, what do you mean by

23 a mutation at the level of DNA?

24 A. A specific change associated with the

25 alkylation or deletion of a base para sequence that

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 leads to the misreading of the genetic code.

2 Q. Clastogenic?

3 A. Something that changes chromosomes.

4 Q. Is there a difference between a
5 mutagenic agent and a clastogenic agent?

6 A. Yes. By the definitions I just gave
7 you, yes.

8 Q. Explain clearly the difference in the
9 use of those two terms for me.

10 A. Shall we cut to it? Benzene metabolite
11 such as hydroquinone are clastogenic, they are not
12 mutagenic in the context of studies aimed at looking
13 at mutagenic mutagens, they are negative.

14 Q. What about the other metabolites of
15 benzene?

16 A. Same. Para-benzoquinone is going to be
17 very similar to hydroquinone. To my knowledge none
18 of them have been shown to be mutagenic. Catechol is
19 more cytotoxic than hydroquinone but less effective
20 at clastogenic events at least related to
21 nondisjunctional events. Phenol just kills cells.
22 It is not effective in either category.

23 Q. Promote or promoter in terms of in the
24 context of carcinogenicity?

25 A. If we talk in the context of

VERY REPORTING SERVICE, INC. (303)825-6119

125

1 carcinogenicity it means one thing. If we talk in
2 context of diseases of the blood and bone marrow it
3 is entirely different, usually being that they have
4 never been established to be relevant to the
5 hematopoietic system.

6 Q. I have heard you say that before,
7 that's why I asked in terms of carcinogenicity.

8 A. Usually they refer to studies done in
9 the skin. There are some liver models in which one
10 is looking at a paradigm in which an agent
11 administered after an initiating event can enhance or
12 exacerbate the expression of tumor phenotype in one
13 model or another.

14 Q. When you say there is no model that has
15 been found for the hematopoietic system, has it been
16 looked for?

17 A. Certainly the same agents that have
18 been shown to be effective in at least the paradigms
19 that have been used in the skin models and liver
20 models have been looked at in terms of the
21 hematopoiesis. There is none. Certainly the
22 compounds have been looked at in the same context,
23 but I don't know of anyone who has specifically done
24 initiation promotion studies because we don't have a
25 system that is amenable to that.

AVERY REPORTING SERVICE, INC. (303) 825-6119

126

1 The problem with promotion paradigms is
2 they aren't definitive in terms of what you are
3 looking for--they are a paradigm. They are an
4 elaborate hypothesis, if you will, and if it doesn't
5 fit the algorithm, then the biology is just as
6 relevant as any other biology, but it doesn't fit
7 your model so you don't know what you have got.
8 Therefore, I would say they have been
9 looked at in the context that the same compounds have
10 been administered to the animals that develop tumors
11 of the hematopoietic system, but there is no clear
12 paradigm that can be associated with initiation and
13 promotion.

14 Q. You have used many times today the term
15 paradigm.

16 A. Model, pattern.

17 Q. I just want to make sure I don't miss
18 that one too. The term immune system depressant,
19 what would that mean to you?

20 A. Something that suppresses immune
21 response. In general I mean something that
22 demonstrably alters host resistance to the animal.
23 You can say, for example, that hydroquinone as an
24 immune system suppresses certain aspects of immune
25 function in isolated systems. That doesn't mean
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 automatically that hydroquinone administered to the
2 animal at tolerable concentrations in some way is
3 going to automatically lead to immunosuppression,
4 altered physiologic resistance to infection, or what
5 have you as a function of that. So I distinguish
6 between something that suppresses immune function and
7 something that alters an isolated cell function.

8 Q. How broad would you be using the term
9 immune system in that context?

10 A. The immune system is anything and
11 everything that includes lymphocytes, macrophages,
12 dendritic cells, a variety of effector cells, and
13 accessory cells, the whole immune system.

14 Q. Something like hydroquinone, does it
15 affect the whole of the immune system, or a very
16 narrow part?

17 A. You can show isolated effects in a
18 variety of different cell types that are interesting
19 that probably are important with respect to
20 understanding what hydroquinone can and can't do.
21 They are excellent models for studying the behavior
22 of that compound. The consequence of high-level
23 exposure to hydroquinone in terms of its effects on
24 the immune system is a separate issue, and I do not
25 know of any evidence to suggest that you can

AVERY REPORTING SERVICE, INC. (303) 825-6119

128

1 administer hydroquinone even to animals and produce
2 effects on immune response that don't also result in
3 reduction in cell types, damage to the bone marrow,
4 and a variety of other findings as well.

5 Q. If we talk about benzene exposure, how
6 long after exposure ceases will you expect to find
7 immunologic effects?

8 A. Immunologic effects?

9 Q. Yes.

10 A. What do you mean by immunologic
11 effects?

12 Q. I'll start there. Give me a list again
13 of the immunologic system, and then we can talk about
14 the different effects, maybe that's a better way of
15 doing it.

16 A. You mean cell types? We were talking
17 about B-cells, T-lymphocytes, macrophages, monocytes.

18 Q. All of them? I think you see where I
19 want to go.

20 A. If I did, this would be a little
21 easier.

22 Q. I would like to know the effects that
23 you have seen benzene produce in either animals or
24 man, and which of those effects, if any, persist
25 after exposure ceases, and if you know at what level
AVERY REPORTING SERVICE, INC. (303) 825-6119

129

1 of exposure?

2 A. In experimental animals, most of the
3 studies we have done looking at specific effects on
4 immune response have been done with metabolites
5 because it is very difficult to produce reproducible
6 effects with benzene itself. With the metabolites I
7 have looked at short-term scenarios, and we see
8 recovery, we see recovery, it has been difficult to
9 produce changes that go out for any great length of
10 time, it's the devil to work with if you want to
11 produce something that you can look at for any great
12 length of time.

13 Q. May I interrupt you for just a second.

14 With your hands in front of your mouth it is hard for
15 me to understand you, and probably her too.

16 A. Sorry. I don't know of any studies
17 that have looked at the prolonged effects of benzene
18 exposure on immune response in the absence of looking
19 at other things such as peripheral blood counts,
20 damage to the bone marrow, what have you, that have
21 looked at long-term. There may in fact be some and
22 that I have read them, I just can't remember--they
23 certainly didn't leave an indelible stain on my
24 memory.

25 My own impression of benzene, as I

EVERY REPORTING SERVICE, INC. (303) 825-6119

130

1 said, it is not a very potent immune suppressant, you
2 have to get up there in the concentrations that are
3 knocking cells off all over before you are going to
4 see an effect. I do recall early in almost the turn
5 of the century Selling and Weiskotten and a few
6 others looked at the effects of inhalation of benzene
7 on rabbits.

8 I believe there is at least one what I
9 would call highly uninformative allusion to it
10 decreasing host resistance to either TB or to
11 pneumococcus or something like that in rabbits.
12 Again I think we are talking acute exposures, or
13 subacute exposures. We are not talking about
14 something that's happening or the exposures were
15 ceased and you looked at immune function.

16 Q. Those were exposures in the rabbits?

17 A. As I recall they were dosing the stuff
18 on gauze or cotton and just holding them under, which
19 is not very quantitative but certainly effective.

20 Q. What about other effects on the blood
21 and lymph systems from benzene, can you tell me what
22 effects that you have found that are long lasting
23 after exposure ceases?

24 A. No. All of ours have been transient
25 with the exception of continued exposure to
AVERY REPORTING SERVICE, INC. (303) 825-6119

131

1 hydroquinone in an interrupted regimen in which we
2 got prolonged suppression. But that was with
3 continued exposure. I was trying to produce an
4 equivalent of an aplastic anemia, and came as close
5 as I think I'm ever going to with hydroquinone and
6 phenol together. We have never done it with benzene.

7 Q. Are there any effects that you know of
8 where you have followed a benzene exposure regimen
9 for a period of time? You told me earlier about some
10 studies that you did where you tried to quantitate or
11 part of your opinion about the quantitative
12 relationship of exposure to benzene and certain
13 effects. Have you done similar-type studies where
14 you have exposed animals over a period of time, not
15 exposed them, and looked at any effect some months
16 after?

17 A. On immune response?

18 Q. No, for anything, not just immune
19 response.

20 A. We have looked at--basically that was
21 the paradigm that we were using. Expose them for 12
22 weeks, stopped exposure and held them for a year or
23 longer, and we get mild proliferative changes in the
24 spleen.

25 Q. And your recollection was there was no
AVERY REPORTING SERVICE, INC. (303) 825-6119

132

1 effect below 50 parts per million; is that what you
2 said?

3 A. We didn't look below 50 because we have
4 had a devil of a time historically producing
5 reproducible toxicity at higher concentrations, so we
6 were looking at 50. At 50 it was not very
7 impressive. We are certainly not talking about the
8 same magnitude changes. Given a dose response
9 whereas you know you are having effects at higher
10 concentrations, you could look at some of those
11 spleens and say there are some changes here. But in
12 the absence of a dose response you would be
13 hard-pressed to defend that there was a great deal of
14 effect.

15 Q. I had one paper this morning that we
16 brought over at the lunch hour that goes into
17 something--I have both here now. It's not your
18 paper. I'll give you the published one here. Is
19 that a paper that you have seen before?

20 A. Yes.

21 Q. And just for the record here, unless
22 somebody wants to attach it, would you read the title
23 so we can identify later where it was published?

24 A. Induction of Cytogenetic Damage in
25 Rodents After Short-Term Inhalation of Benzene.

AVERY REPORTING SERVICE, INC. (303) 825-6119

133

1 Q. It was published?

2 A. Environmental Mutagenesis, pages 29 to
3 40, 1986.

4 Q. Explain for me how what is presented in
5 this paper fits into the context of the earlier work
6 you described for me?

7 A. You are going to have to clarify that.

8 Q. This paper, I think, presents findings
9 from exposures at less than 50 parts per million?

10 A. Yes.

11 Q. And it presents findings that are, I
12 think, a year later; is that right, after exposure
13 ceases?

14 A. I don't see where they were exposed a
15 year later or they were examined a year later. It is
16 18 hours after exposure.

17 Q. It may be my memory has failed me. Why
18 don't you say what the procedure was for dosing the
19 animals and looking and tell me what it was, that way
20 we won't have to guess.

21 A. Rats were--first of all, mice were
22 exposed to concentrations ranging from zero to 1,000
23 parts per million, zero, 10, 100 and 1,000 every six
24 hours. Rats were exposed to target concentrations of
25 zero, 1, .3, 13, 10 and 30 for six hours, and then
AVERY REPORTING SERVICE, INC. (303) 825-6119

134

1 they were sampled or tested at 18 hours after
2 exposure.

3 Q. 18 hours after exposure ceased?

4 A. Yes.

5 Q. What levels does this study report that
6 there was significant finding, and what findings were
7 significant at those levels?

8 A. It reports significant findings at 10
9 parts per million for sister chromatidic exchanges
10 and micronuclei in the rats--wait a minute, excuse
11 me--for the mice and at one part per million in the
12 rat experiment.

13 Q. Is that again for sister chromatidic
14 exchange in rats?

15 A. Yes, that's what it reports.

16 Q. Is this any of your work?

17 A. No.

18 Q. This was done at CIIT, but by someone
19 else besides you or your group?

20 A. That's correct.

21 Q. Can you fit in for me the results of
22 this study into your previous statements about not
23 finding convincing any data that shows effects below
24 50 parts per million?

25 A. What we are talking about, when I talk
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 about 50 parts per million I'm talking about
2 demonstrable effects to hematopoiesis that can be
3 characterized as being significant with respect to
4 bone marrow damage and/or hematopoiesis. Sister
5 chromatidic exchange is a very sensitive technique
6 for looking at potential effects of a compound on
7 either DNA replication or on occasion it correlates
8 with damage, although it is an error-free process and
9 therefore is not necessarily indicative of damage.
10 It's often misrepresented as that, but it is not
11 really an indicator of cytogenetic damage.

12 Q. Tell us in laymen's terms as best you
13 can what sister chromatid exchange means in the
14 context of doing these evaluations, and then if you
15 can relate that to what it means to a cell.

16 A. The last one is easy. It's not really
17 clear that it means anything necessarily with respect
18 to damage, it's an error-free process, or ostensibly
19 an error-free process. It involves the exchange of
20 sister chromatids on the chromosome, and it occurs
21 naturally, spontaneously. So what you are looking at
22 here are differences in rates of what is naturally
23 occurring or spontaneous process.

24 Q. This process that's being changed rate
25 wise is a change in the chromosomes of the cell?

AVERY REPORTING SERVICE, INC. (303) 825-6119

136

1 A. It is a change in--yes.

2 Q. And tell us again in laymen's terms, if
3 you can, what you mean by chromosome?

4 A. You have two--you basically are
5 exchanging parts of the chromosome that are the
6 same. You have two and you are basically exchanging
7 parts of them.

8 Q. I don't know that that communicates
9 much to me. Can you do it again in more detail to
10 tell me what sister chromatidic exchange means a
11 little more elaborately but simple terms?

12 A. Chromosomes consist of chromatids which
13 are basically matching complimentary sets of the
14 information. When the cell divides, on occasion you
15 get information or material from one chromosome, one
16 chromatid exchanged with a piece of another, and if
17 you have appropriate staining techniques that allow
18 you to look at multiple generations of dividing cells
19 you can measure that.

20 Q. Now in the context of this study that
21 we are examining, which cells was the change in
22 sister chromatid exchange noted?

23 A. It was measured in vitro; that is, in
24 culture, in lymphocytes taken from animals that were
25 previously either controls or exposed to benzene.

AVERY REPORTING SERVICE, INC. (303) 825-6119

137

1 Q. And which cells were being cultured?

2 A. Lymphocytes.

3 Q. And where in the body of these animals

4 would the lymphocytes be found?

5 A. Peripheral blood or spleen, I forget.

6 Cardiac blood.

7 Q. Out of the heart?

8 A. Uh-huh.

9 Q. Would this be blood that's being

10 circulated, then?

11 A. Yes.

12 Q. The heart is a nice reservoir in the

13 animal to-pull the blood from?

14 A. In the mouse it is one of the few you

15 have got.

16 Q. And you say that these cells were then

17 cultured. By that I guess you mean grown or allowed

18 to reproduce?

19 A. They are stimulated with a highly toxic

20 material called lipopolysaccharide or concanavalin,

21 either one which stimulates the cells to divide.

22 Q. And this effect of sister chromatid

23 exchange that you are looking for would be one

24 produced by the benzene exposure?

25 A. That's ostensibly the case.

AVERY REPORTING SERVICE, INC. (303) 825-6119

138

1 Q. And the conclusion that it was the
2 benzene comes from comparing the exposed animals and
3 what you find in them to the unexposed animals and
4 what you found in the unexposed group; is that right?

5 A. That's the process.

6 Q. Is this a real finding in your view?

7 A. I think it is highly questionable.

8 Q. Is there anything in the published
9 report that says that this is a highly questionable
10 finding?

11 A. I don't know whether it says that or
12 not. They do qualify the nature of the dose
13 concentration response curves, especially at the
14 lower end.

15 Q. And where do you see that indication?

16 A. On page 38.

17 Q. Would you read the part that you think
18 says that?

19 A. It should be noted that the shape of
20 the concentration response curves for both rats and
21 mice from both end points are nonlinear with the
22 greatest slopes at the lower concentrations.

23 Q. Is there any other indication that you
24 can see in the paper that would say that this is a
25 highly questionable result?

AVERY REPORTING SERVICE, INC. (303) 825-6119

1 A. One of the reasons why I think you have
2 got the frequency distribution of the raw data which
3 is presented which is not usually done is that you
4 don't have a normal distribution of the effects
5 that--of the results that go into arriving at their
6 conclusion with respect to the dose response. The
7 positive findings are restricted to a very few number
8 of cells, and a great many cells show no findings
9 outside the norm. That is certainly from my
10 perspective a major question with respect to the
11 validity of the results, especially at lower
12 concentrations. It is not a normally distributed
13 population. That suggests potential sources of error
14 or bias may be involved in influencing that type of
15 result.

16 Q. Are these sister chromatid exchange
17 type studies that are reported here so they fall in
18 the category of chromosomal aberrations?

19 A. I think it depends on your semantics.

20 It's a non--when we talk about chromosomal
21 aberrations--first of all, chromosomal means whole
22 chromosome, not chromatid. Second of all, we are
23 talking about--generally when we are talking about
24 cytogenetic analysis we are talking about permanent
25 error, we are talking about error in the DNA message
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 that is a result of a break, a deletion, a gap, a
2 translocation.

3 In the case of sister chromatid

4 exchange, you have none of those. It is ostensibly

5 error free. So its significance with respect to

6 cytogenetic damage or an aberration is questionable

7 from the physiological standpoint. Where you have a

8 clear-cut demonstration of an increase in sister

9 chromatid exchange it suggests some response to

10 whatever the treatment paradigm was, or whatever the

11 stress was, whether it is compound related or

12 handling related or what have you, it does not

13 automatically connote an error in the DNA message.

14 Q. So the furthest you would be willing to

15 go is to say that exposures at one part per million

16 produced a measurable change?

17 A. As defined by this study.

18 Q. You, of course, are familiar with

19 studies of the peripheral blood of humans exposed to

20 benzene and the findings of changes in chromosomal

21 information at exposures below 10 parts per million,

22 are you not?

23 A. If you show them to me I will be happy

24 to comment on them.

25 Q. With that description you are not able

AVERY REPORTING SERVICE, INC. (303) 825-6119

141

1 to-

2 A. No.

3 Q. What would be the mechanism for these
4 test animals that are reported here to have breathed
5 benzene that would end up showing an effect that's
6 manifested by the sister chromatid exchange test?

7 A. I don't know of a mechanism that would
8 account for sister chromatid exchange. I know of no
9 mechanism for that. I don't know why it happens
10 spontaneously. I don't know why it increases
11 following stress or exposure to various agents. Some
12 agents that can produce it correlate with their
13 immunogens or clastogens, others aren't.

14 Q. What kind of work are you doing now in
15 the way of research?

16 A. Doing studies to characterize stem cell
17 differentiation in the hematopoiesis in defined
18 culture systems.

19 Q. Which systems?

20 A. We are developing them. By defined I
21 mean we are not using--we are using defined growth
22 factors in very precise conditions for examination of
23 the influence of those growth factors on
24 hematopoiesis.

25 Q. Who is we?

AVERY REPORTING SERVICE, INC. (303) 825-6119

142

1 A. My laboratory.

2 Q. At the University of Colorado; is that
3 where it is?

4 A. Yes.

5 Q. Which department are you in there?

6 A. I'm in the Molecular Toxicology and
7 Environmental Health Sciences program. I have
8 appointments in the school pharmacy and in the
9 department of pathology in the medical school.

10 Q. Has this group at the University of
11 Colorado done similar-type research in the past?

12 A. It's basically my group, and I have
13 done similar research in the past. And certainly
14 those that are collaborating with me on various
15 aspects of metabolism and/or biochemistry have done
16 similar studies.

17 Q. That wasn't what I was trying to ask..

18 Prior to you coming to the University of Colorado and
19 joining this department, had any similar-type work
20 been under way?

21 A. Yes.

22 Q. Who was doing it then?

23 A. Dr. William Robinson in hematology and
24 medical oncology.

25 Q. What kind of work was he doing that was
AVERY REPORTING SERVICE, INC. (303) 825-6119

143

1 similar to what you are now doing?

2 A. Looking at stem cell regulation

3 primarily associated with leukemias and dysplasias.

4 Q. And which group is he?

5 A. Oncology.

6 Q. What is the funding source for your

7 present work?

8 A. I have several different funding

9 sources. The American Petroleum Institute I have a

10 grant from. I have a grant from the Chemical

11 Manufacturers Association. I have funds provided to

12 me by the University of Colorado. And I have grants

13 pending at numerous agencies.

14 Q. Right now there are three sources of

15 funds?

16 A. Yes.

17 Q. And how long have you been at CU?

18 A. One and a half years.

19 Q. Have those been the only three sources

20 of funds you have had in the past one and a half

21 years for your work?

22 A. Yes.

23 Q. Did you do an RFP to the API and CMA

24 for your funds?

25 A. RFP?

AVERY REPORTING SERVICE, INC. (303) 825-6119

144

1 Q. Did you make a proposal for the work
2 that you anticipated doing?

3 A. Yes. Yes.

4 Q. You used to be at CIIT?

5 A. Yes.

6 Q. May I ask why you left?

7 A. I had an opportunity to develop a
8 toxicology and environmental health program in a
9 state that sorely needs one and the independence to
10 do so, and I wanted to take a crack at developing an
11 educational program in toxicology before my career
12 was completed, and this was an opportunity to do
13 that.

14 Q. Were you restricted at what you could
15 do at CIIT?

16 A. In the sense that CIIT is a research
17 institute and my ties with academia were at the level
18 of adjunct participation at surrounding colleges,
19 yes, I certainly couldn't direct a training program
20 or be involved in education, higher education. This
21 has given me the opportunity to have the best of both
22 worlds.

23 Q. CIIT kept you from being involved in
24 higher education?

25 A. No, I lectured a lot, but I certainly
AVERY REPORTING SERVICE, INC. (303) 825-6119

145

1 couldn't take on the primary responsibilities of
2 directing an educational program.

3 Q. In other words, you couldn't have two
4 full-time jobs?

5 A. That's right.

6 Q. May I ask if your income went up or
7 went down?

8 A. It went up.

9 Q. When you left CIIT?

10 A. Yes, sir.

11 Q. There has been discussion about your
12 being paid to be here today. Where does the money
13 that you receive go? Does it go to the University of
14 Colorado or to you?

15 A. It goes to me. I have a contractual
16 arrangement with the university that allows for 15
17 percent of my time to be spent consulting, and in
18 fact I spend about 10 percent of my time doing that.
19 It is both a requirement as far as the administration
20 is concerned and pay. It is something they allow me
21 to do. If I didn't do it I would probably hear about
22 it as well.

23 Q. Is there direction given to the kind of
24 consulting you must spend your 15 percent for?

25 A. No.

AVERY REPORTING SERVICE, INC. (303) 825-6119

146

1 Q. The funds that you receive consulting,
2 does a portion of those go to the university?

3 A. No.

4 Q. When you were at CIIT and you acted as
5 an expert witness in cases, did the moneys you
6 received then go to CIIT or to you?

7 A. First of all, to my knowledge, and I'm
8 not an attorney, I never acted as an expert witness
9 while I was at CIIT. I gave one deposition at CIIT
10 while I was there. I received no compensation for it
11 in any way, shape, or form, and neither did the
12 institute.

13 Q. What was that deposition about?

14 A. It was in Skeen two.

15 Q. You testified in that?

16 A. I ultimately testified in Skeen two,
17 yes.

18 Q. Were you away from CIIT when you
19 testified?

20 A. Yes.

21 Q. You were paid directly for your
22 services then?

23 A. That's correct.

24 Q. Was one of your activities at CIIT to
25 slow down the development of OSHA regulations
AVERY REPORTING SERVICE, INC. (303) 825-6119

147

1 regarding chemicals?

2 A. That's nonsense. That's utter
3 nonsense.

4 Q. Was that a purpose of work at the
5 institute?

6 A. No.

7 Q. Well, I need to show you something. Do
8 you know of a Mr. F.B. Thomas, Dr. Thomas at Shell?

9 A. Yes, I do. He is no longer at Shell, I
10 believe, but, yes, I do know him.

11 Q. Were you at CIIT in 1987?

12 A. Yes.

13 Q. Did you attend a joint meeting of the
14 IISRP Industrial Hygiene Committee, and the IISRP
15 Butadiene Steering Committee in Edinburgh, Scotland,
16 in 1987?

17 A. I gave a talk at that meeting. I
18 didn't attend other than to present a talk.

19 Q. Let me show you a letter which purports
20 to be from Dr. Thomas, and attached to it is a
21 summary of that meeting. Let me direct you to the
22 last page, although feel free to read the whole thing
23 if you like. Frankly I'm not convinced that all of
24 it is accurate, but I want to ask you about the last
25 item.

AVERY REPORTING SERVICE, INC. (303) 825-6119

148

1 A. What's the question?

2 Q. Would you read the last item out loud
3 so we can put that in the record.

4 A. Irons believes the data from CIIT to
5 date have without doubt slowed OSHA regulatory
6 procedures down and have also set some limits on
7 product tort liability.

8 Q. Did you say that?

9 A. No.

10 Q. So that's just incorrect?

11 A. I suspect that what he was doing is
12 extrapolating from my comments on the mouse model in
13 arriving at those conclusions. I have been in
14 contact with OSHA people during my studies with
15 butadiene and have discussed the mouse model
16 continually. My scientific opinion is the mouse
17 model has a number of very complicated and species
18 specific findings that may or may not be of relevance
19 to man. From that standpoint I don't think the
20 mouse--original mouse data should be assumed to be
21 necessarily relevant to man.

22 I can see where someone in industry
23 might draw this conclusion from those comments, but
24 it is certainly not an object or a motivation for my
25 work. My object for studying butadiene is to
AVERY REPORTING SERVICE, INC. (303) 825-6119

149

1 determine what it does.

2 Q. Would you say that the institute work
3 accomplished this, if not your work, then?

4 A. No. No. If our work slowed down
5 regulatory procedures, it's in the context of
6 evaluating the data they had, they elected to review
7 it further. That would be the only way in which it
8 could possibly have any effect.

9 Q. I think the top paragraph there on the
10 same page, doesn't that deal with contacts with OSHA
11 and the mouse model?

12 A. Yes.

13 Q. What was the answer to that question?

14 You might read it into the record so later we'll have
15 it all together.

16 A. Irons noted that he has been asked by
17 OSHA scientists about whether a chemical viral
18 interaction can be modeled by a multi-hit mechanism,
19 suggesting there is some concern at OSHA about
20 defending their mouse lymphoma risk assessment. It
21 should be recognized, however, that dismissing the
22 thymic lymphoma still leaves all of the other tumors
23 seen in the NTP bioassay.

24 Q. Do you recall that discussion with
25 OSHA?

AVERY REPORTING SERVICE, INC. (303) 825-6119

150

1 A. Yes. I have discussed with them
2 whether or not it would be appropriate to
3 characterize chemical viral interactions in various
4 models.

5 Q. And I would like to know your response
6 to that, whether you can or not.

7 A. We are talking very complicated here.

8 And at the present time I think we are either talking
9 about concomitant models, interactive models, the
10 virus may act as a cocarcinogen, it may act
11 independently, there is just no way to reliably model
12 it in that context given our knowledge base at that
13 time.

14 Q. Do you have an opinion as to whether or
15 not butadiene should be regulated as a carcinogen?

16 MR. ZATZ: Objection to the form.

17 A. Butadiene is a potent mouse

18 carcinogen. It certainly deserves regulation as one
19 would deal with any other potent mouse carcinogen or
20 compound found to cause cancer in experimental
21 animals, no doubt about that.

22 Q. (BY MR. HOBSON) Are you aware that the
23 American Conference of Governmental Industrial
24 Hygienists has recommended lowering the TLB for
25 benzene?

AVERY REPORTING SERVICE, INC. (303) 825-6119

151

1 A. For benzene or Betadiene?

2 Q. Benzene.

3 A. I have heard that. I have not seen it

4 directly, but I have heard that.

5 Q. It's in the latest Archives of

6 Industrial Hygiene, the notice of intended

7 changes--the notice may not be there, but the

8 documentation is there, and they recommend, as I

9 recall, going to a tenth of a part per million times

10 average TLB. Would you have a position one way or

11 the other on that?

12 A. As a policy?

13 Q. Yes, sir.

14 A. No.

15 Q. You have no opinion?

16 A. I don't think it relates to causation.

17 It's a policy issue. It doesn't impact one way or

18 the other on causation.

19 Q. What would be your view, then, about

20 regulatory activities and causation?

21 A. I think they are two separate

22 exercises. They have totally different criteria and

23 totally different--they are functionally totally

24 separate. I don't think that a regulatory standard

25 says anything about causation.

AVERY REPORTING SERVICE, INC. (303) 825-6119

152

1 Q. Why wouldn't it say anything about
2 causation?

3 A. Because there are paradigms that are
4 used de facto in establishing regulatory standards
5 based upon risk assessments for which there is no
6 evidence to support or refute the assumptions made in
7 the risk assessments. The one thing you can say
8 about a regulatory standard is the standard itself is
9 probably--you can be virtually certain within
10 reasonable scientific certainty that the exposure
11 level that's set is far below that that's going to be
12 related to causation just by nature of the
13 assumptions that go into the risk assessments.

14 Q. Have you read Mancuso's second edition
15 of his epidemiology book Occupational Epidemiology?

16 A. No, I haven't.

17 Q. Would you have any opinion as to
18 whether regulated exposure levels should have a
19 certain margin of safety built into them?

20 MR. ZATZ: I'm going to object on two
21 grounds. Number one, I think you are asking him
22 opinions about policy matters as opposed to
23 toxicology matters, and we have not proffered him as
24 an expert on what American regulatory policy ought to
25 be; and secondly, based on the use of the word should
AVERY REPORTING SERVICE, INC. (303) 825-6119

1 in that question. You can answer.

2 A. Given the lack of scientific basis for
3 many of the assumptions that go into setting
4 standards, I think that margins of safety are
5 certainly called for.

6 Q. (BY MR. HOBSON) I think earlier today
7 you told me that in your work that you have done you
8 have seen replication errors, chromosomal damage in
9 laboratory animals at 50 parts per million?

10 A. I have seen damage to bone marrow and
11 to hematocritic organs at those concentrations.
12 Certainly mitotic abnormalities at 100 parts per
13 million and probably at 50. I can't remember
14 precisely. I suspect I have probably seen them at
15 50.

16 Q. Given the difficulties of this animal
17 model and extrapolation to man, do you feel
18 comfortable, then, that the same exposure levels for
19 similar-type effects are appropriate for man?

20 A. I think that exposure--first of all,
21 the types of changes we are talking about, which
22 involve bone marrow damage, are related indirectly to
23 the metabolism of benzene, which is a requirement.
24 And although I can't cite you the specific kinetic
25 studies that I have been involved with or have been
AVERY REPORTING SERVICE, INC. (303) 825-6119

154

1 done, because I can name the studies actually but I
2 can't remember the data right now, it's been years
3 since I looked at it, my conclusion would be the
4 mouse is a much more sensitive model with respect to
5 bioactivation and short-term or subacute toxicity
6 than the rat or man is likely to be. So I would say
7 the mouse is likely to be a more susceptible model
8 for subacute damage bone marrow toxicity than either
9 the rat or man.

10 Q. What about for chronic?

11 A. I don't think that you get chronic
12 changes in the absence of subacute changes.

13 Q. That's where I wanted to go with you
14 next. Earlier today you said that the acute
15 myelogenous leukemia in man must have certain
16 precursors before you believe it would be related to
17 benzene exposure?

18 A. In secondary leukemias, yes.

19 Q. Explain to me what you mean by that in
20 secondary leukemias.

21 A. I think, first of all, the evidence,
22 the epidemiologic evidence provides very strong
23 rationale as a basis for that conclusion.

24 Q. Which conclusion?

25 A. That in fact one has to have

AVERY REPORTING SERVICE, INC. (303) 825-6119

155

1 significant alterations in the stem cell compartment
2 related to bone marrow toxicity before you incur the
3 risk of developing AML secondary to exposure. I
4 think it requires a physiologic response on the
5 organism that alters stem cell regulation, and I
6 presented today in the context of other questions
7 several lines of evidence to support that.

8 Q. That raises two questions I would like
9 to ask you. Number one is, can benzene produce
10 leukemia in man as a primary result? You said in
11 your answer to my question a moment ago leukemia was
12 a secondary leukemia.

13 A. It can't be primary if you are exposed
14 to benzene. By definition it is a secondary.
15 Secondary means not spontaneous. It is secondary to
16 some exposure, be it a drug, be it benzene, be it
17 radiation, what have you.

18 Q. I didn't understand how you were using
19 secondary there.

20 A. That's what I mean by secondary.

21 Q. Secondly, what are the markers for
22 effects on the stem cell regulation that you would
23 put in the category of a precursor to the development
24 of AML?

25 A. Well, if I had an absolute marker that
AVERY REPORTING SERVICE, INC. (303) 825-6119

156

1 I could point to in stem cell population and say this
2 meets to AML, this would be a very prognostic tool.
3 We don't have anything like that at the current
4 time. One of the things that I would want to see
5 first, and in fact as part and parcel of what I'm
6 doing now, is alterations in the way stem cells are
7 regulated that would in fact be consonant with the
8 physiologic response to the damage caused by an agent
9 such as benzene.

10 Q. Let me ask the question this way: You
11 have got an AML, you have got a worker exposed to
12 benzene, list for me the factors that you would want
13 to see before you would say that the AML was caused
14 by the benzene exposure.

15 A. I would want to see accurate exposure
16 data that demonstrates exposures that are significant
17 in terms of potential bone marrow toxicity. If you
18 have exposures at 100 parts per million or greater
19 repeatedly, I'm going to be satisfied with the
20 exposure scenario. Between 50 and 100 I'm going to
21 be concerned about it. If it's below 50 you get into
22 real foggy territory with respect to evidence, and
23 somewhere lower--somewhere between 25 and lower there
24 is just no evidence to support it.

25 I would want to have some indication of
AVERY REPORTING SERVICE, INC. (303) 825-6119

157

1 latency. If the disease develops I'm going to be
2 much more happy or confident about the latency issue
3 if we are dealing in the area around ten years from
4 initial exposure, it's going to be in a typical
5 Gaussian fashion becomes less and less clear as you
6 get closer to initial exposure, and at about three
7 years I think it is impossible to draw a conclusion
8 as to whether or not the exposure could be related.
9 That's also way off the end of the Gaussian curve. I
10 believe Rinsky in his study used 5, and this is
11 certainly following up of five years.

12 The type of leukemia, if it is an AML,
13 to me that's important obviously. if in fact the
14 cytogenetic abnormalities that are associated with
15 what classically have been referred to the C-type
16 chromosomes that I have referred to initially, 5 Q
17 minus 7 Q minus 5 or 7, missing that is consistent
18 with secondary leukemias, it is inconsistent with
19 spontaneous leukemias, that would be a factor that
20 would weigh heavily in terms of me reaching a
21 conclusion.

22 Q. Would you only find those abnormalities
23 in AMLs?

24 A. 5 Q minus and 7, to my knowledge they
25 are heavily weighted towards myelodysplastic
AVERY REPORTING SERVICE, INC. (303)825-6119

158

1 syndromes and AMLs. It is because of the growth
2 factors on those chromosomes are associated with
3 regulation, specifically the myeloid lineages. Sub
4 type can be useful empirically for reasons which
5 nobody knows.

6 If it is an erythroid leukemic variant
7 that heavily predisposes towards benzene if in fact
8 you have a reliable exposure history. I don't mean a
9 simple erythroid hypoplasia in the presence of a
10 myeloblastic AML, I'm talking about a clear-cut
11 erythro leukemic.

12 But I would not exclude virtually any
13 type of AML as being possible, with one exception,
14 and I wouldn't rule it out entirely, but there is
15 certainly no evidence of monoblastic type.

16 Q. Anything else? The reason I ask that
17 is for some reason in my mind I got the feeling
18 earlier you were telling me that you would not
19 attribute benzene as a cause of AML unless the person
20 had aplastic anemia.

21 A. You are talking about the presentation
22 of the patient at the time of diagnosis. If you had
23 had the ability to clinically monitor a patient from
24 the beginning of exposure to the onset of leukemia,
25 it is my opinion you would very likely and almost
AVERY REPORTING SERVICE, INC. (303) 825-6119

159

1 always, if not always, see some evidence of bone
2 marrow suppression. We are not talking exposure to
3 trace amounts, we are talking about real life
4 response to bone marrow suppression incurred as a
5 function of exposure to benzene concentrations that
6 will do that. That's consistent with the literature
7 on chemotherapeutic agents, and I think it is
8 consistent with certainly the case histories that
9 were presented in the early benzene literature.

10 Q. Would the person with AML know they
11 were sick from what you would consider a significant
12 enough bone marrow suppression to be a link to
13 benzene exposure in the past?

14 A. I would say there is a fairly high
15 probability that there would be some evidence of bone
16 marrow suppression, but it is not absolute--I don't
17 think it is absolutely a requirement because the
18 effects on stem cell, if in fact you had a clinically
19 nonsignificant effect that resulted in an altered
20 physiologic regulation of stem cell activity, you
21 could then continue to have prolonged--you could have
22 a lesion at the level of stem cell differentiation
23 that would persist, and it is conceivable that an
24 individual might not seek treatment for the initial
25 episode. I think it is conceivable.

AVERY REPORTING SERVICE, INC. (303) 825-6119

160

1 I don't think that if you did bone
2 marrow taps and looked at stem cell regulation using
3 even existing assay procedures that are relatively
4 crude that you would have any trouble seeing them
5 because certainly the chemotherapeutic literature
6 suggests that to be the case. But that's not normal
7 routine diagnostic procedure.

8 I will not tell you that you absolutely
9 have to have a clinically significant presentation.
10 I'm not sure. That may be the case, but I'm not
11 prepared to say that as an absolute. I do think if
12 you look for it with all the means at our disposal
13 you would see.

14 Q. And the patient might or might not know
15 that they have some outward symptoms?

16 A. For some proportion of patients I
17 suspect that's the case. Certainly the prevailing
18 opinion in the medical community is the majority of
19 these patients are surely going to present.

20 Q. When you talk about the bone marrow
21 suppression that you would need to see for an AML,
22 are you talking about suppression of all the
23 different cells or a particular line?

24 A. It is highly variable, highly
25 variable. It is even variable in animals, but it is
AVERY REPORTING SERVICE, INC. (303) 825-6119

161

1 more variable in man.

2 Q. It could be the pancytopenia,
3 thrombocytopenia?

4 A. Yes.

5 Q. Would it have to be long lasting?

6 A. This is rank speculation. I certainly
7 would want to see long-standing changes at the level
8 of the stem cell, I expect to see them. I suspect
9 that the clinical presentation could be relatively
10 short.

11 Q. What about if you have a person who has
12 had a history of exposure to benzene at a level
13 several hundred parts per million for some time
14 period and a person who presents then with AML, same
15 person presents with AML some years later?

16 A. Several hundred parts per million?

17 Q. Yes. An exposure that you would accept
18 of being capable of causing the disease.

19 A. Okay.

20 Q. And the person presents with AML some
21 years later after the exposure has ceased, say ten
22 years again to fit into your exposure profile, and at
23 some point in between presented at a physician's
24 office for, say, thrombocytopenia which was not long
25 lasting, or pancytopenia which was not long lasting;

AVERY REPORTING SERVICE, INC. (303) 825-6119

162

1 in other words, one of these markers occurred and
2 then disappeared, does that tell you anything about
3 whether or not benzene played a part in the causing
4 of the AML?

5 A. If you show me an individual who has
6 had documented exposure to several hundred parts per
7 million for any significant period of time that meets
8 criteria that I'm comfortable with and develops AML,
9 as far as I'm concerned there is no reason to assume
10 that it was not due to that exposure.

11 I would say you would have some
12 qualifying concerns if it was totally--if it was a
13 cytogenetic presentation that was typical or
14 spontaneous, but nevertheless you have got
15 predisposing evidence to suggest that there could be
16 a relationship there. I would fully expect to see
17 some presentation. You can't be exposed to several
18 hundred parts per million benzene and not develop
19 some hematologic abnormalities. I don't believe it
20 is possible at those levels.

21 Q. I forgot to ask you about another
22 disease earlier.

23 A. Can we do this very quickly?

24 Q. Hairy cell leukemia. How does hairy
25 cell leukemia fit into these different diseases?

AVERY REPORTING SERVICE, INC. (303) 825-6119

163

1 A. It is a totally different disease
2 entity existing ostensibly at the level of in a
3 quasi-world with the monocyte and the macrophages and
4 the so-called reticulum cell. It doesn't fit into
5 this paradigm.

6 Q. Are you familiar with any
7 epidemiological literature that links Hairy cell
8 leukemia and exposure to benzene?

9 A. No. I'm familiar with literature that
10 links it to HVL2, the human T-cell varium.

11 Q. You have to go?

12 A. I have to go.

13 Q. Before we go I would like to get the
14 court reporter to mark as Exhibit 1 your chart that
15 you drew, that's this legal sheet. This is the chart
16 that you drew which we are marking as Exhibit 1; is
17 that right, Dr. Irons?

18 A. Yes.

19 Q. I would like to mark as Exhibit 2 the
20 paper that's from the CIIT group that we discussed,
21 Induction of Cytogenetic Damage in Rodents After
22 Short-Term Inhalation of Benzene. That's the paper
23 we discussed earlier, right?

24 A. Yes.

25 Q. Then as Exhibit 3 I would like to mark
AVERY REPORTING SERVICE, INC. (303) 825-6119

164

1 the Shell document that I questioned you about. And
2 Exhibit 3 is the Shell paper I questioned you about,
3 correct?

4 A. Yes.

5 Q. Anything else that's handwritten, or
6 just the articles?

7 A. One handwritten note.

8 Q. Could I get those two notes and mark
9 those. Anything else that's handwritten that you
10 have?

11 A. That's it.

12 Q. And then these are just articles?

13 A. Yes.

14 Q. Let me just ask if you would leave this
15 with the court reporter and let her copy this and
16 then return the original to you, would that be all
17 right?

18 MR. ZATZ: Is that all right?

19 Q. (BY MR. HOBSON) As long as you agree
20 to maintain the originals?

21 A. Okay.

22 Q. We'll mark these as the next attachment
23 as a group exhibit. And you brought Jandl's book
24 with you?

25 A. Yes.

AVERY REPORTING SERVICE, INC. (303) 825-6119

165

1 Q. Blood Toxicology Textbook of
2 Hematology. And which edition is this?

3 A. The only one.

4 Q. Let's see, '87, copyright date,
5 correct?

6 A. Yes.

7 Q. Bring anything else about the case with
8 you?

9 A. No.

10 (Whereupon, documents were marked Irons
11 Deposition Exhibits 1 through 4 for identification by
12 the reporter.)

13 (Whereupon, at 4:05 p.m., the
14 deposition was concluded.)

15

16

17

18

19

20

21

22

23

24

25

AVERY REPORTING SERVICE, INC. (303) 825-6119

166

1 I, RICHARD D. IRONS, Ph.D., do hereby
2 state under oath that I have read the above and
3 foregoing deposition, and that the above transcript
4 and accompanying correction sheets, if any,
5 constitute a true and correct record of my testimony.

6

7

8 RICHARD D. IRONS, Ph.-D.

9 STATE OF COLORADO

SS.

10 CITY & COUNTY OF DENVER

11 Subscribed and sworn to before me by
12 the said RICHARD D. IRONS, Ph.-D,, this- day of
13 1990.

14 My commission expires_

15

16

17

18

19

20

21

22

23

24

25

AVERY REPORTING SERVICE, INC. (303) 825-6119

167

1 CERTIFICATE
2 STATE OF COLORADO
ss.

3 CITY & COUNTY OF DENVER

4 I, BARBARA WISHART, a Certified
Shorthand Reporter, a Registered Professional
5 Reporter, and a Notary Public within and for the City
and County of Denver, State of Colorado, do hereby
6 certify that previous to the commencement of the
examination of the said RICHARD D. IRONS, M.D., a
7 witness called for examination by the plaintiffs
herein in the said suit in the said District Court,
8 was duly sworn by me to testify the truth in relation
to the matters in controversy now pending and
9 undetermined between the said parties so far as he
should be interrogated concerning the same;

10

That the said deposition was taken in
11 shorthand by me at 1290 Broadway, Suite 700, City and
County of Denver, State of Colorado, on the 15th day
12 of August, 1990, at 10:21 a.m., and was reduced to
typewritten form under my supervision;

13

That the foregoing is a true transcript
14 of the questions asked, the testimony given, and the
proceedings had;

15

That I am neither attorney nor counsel,
16 nor in any way connected with any attorney or counsel
for any of the parties to said action, or otherwise
17 interested in its event.

18 IN WITNESS WHEREOF, I have hereunto set
my hand and affixed my notarial seal this 28th day of
19 August, 1990. My commission expires November 26,
1990.

20

21

22

23

24

25

AVERY REPORTING SERVICE, INC. (303) 825-6119