

1 SUPERIOR COURT OF THE STATE OF CALIFORNIA

2 FOR THE COUNTY OF LOS ANGELES

3
4 WILLIAM MOLINA and ANGELINA MOLINA,

5 Plaintiffs,

6 -against- Case No. BC 367800

7 SHELL OIL COMPANY, et al.,

8 Defendants.

9
10
11
12
13 Friday, April 11, 2008

14 11:20 a.m.

15 TELEPHONIC DEPOSITION of the

16 Non-party Witness, DR. JOHN WHYSNER, Ph.D.,

17 DABT, taken pursuant to agreement, held at

18 the Marriott Hotel, 670 White Plains Road,

19 Tarrytown, New York before a Notary Public

20 of the State of New York.

21 Reported by:

Christy J. Rockower

22
23 JOB No. 86263

1 A P P E A R A N C E S:

2 PAUL & HANLEY, LLP,
 Attorneys for Plaintiffs
3 1608 Fourth Street
 Suite 300
4 Berkeley, California 94710
5 BY: DEAN A. HANLEY, ESQ.

6
 STEPTOE & JOHNSON,
7 Attorneys for Defendants
 Shell Oil Company, Chevron,
8 U.S.A., Inc., Union Oil
 Company of California d/b/a
9 UNOCAL
 633 West Fifth Street
10 Suite 700
 Los Angeles, California 90071

11
 BY: RUTH D. KAHN, ESQ.

12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 D R. J O H N W H Y S N E R, Ph.D., DABT, the
2 witness herein, having been first
3 duly sworn by Christy J. Rockower, a
4 Notary Public of the State of New
5 York, was examined and testified
6 as follows:

7 EXAMINATION CONDUCTED

8 BY MR. HANLEY:

9 Q Would you please state your
10 name?

11 A Dr. John Whysner.

12 Q What is your address, please?

13 A 166 Farrington Avenue, Sleepy
14 Hollow, New York 10591.

15 Q Good morning, Dr. Whysner.

16 A Morning.

17 Q Can you describe for me any
18 work that you've done since we've last
19 spoke during your deposition on April 1st?

20 A Well, I read over my -- the
21 transcript of my deposition, and I read
22 over Dr. Bennett's, the deposition or
23 whatever you call it that was going to be
24 used at trial. I didn't have a chance to
25 read over his prior day deposition. And

1 based upon that, I also, because you'd
2 asked him a number of very specific
3 questions about the Hayes article and the
4 Chinese study, I was looking into the
5 references that -- within the Hayes study
6 there are certain references to other
7 articles that describe more fully certain
8 aspects of the study, and so I obtained
9 those and -- in other words, so in case you
10 were asking me more detailed questions like
11 you did with Dr. Bennett. And those are
12 the two articles that were sent to you, the
13 Travis article and the Dosemeci article,
14 D-O-S-E-M-E-C-I article.

15 Q Okay. And you have copies of
16 those articles there with you?

17 A Yes, I do.

18 Q Okay. And just for reference,
19 we'll go ahead and mark those two articles
20 as exhibits, first exhibit to the
21 deposition.

22 (The Travis article and
23 Dosemeci article were marked as Plaintiff's
24 Exhibit No. 1 for identification.)

25 Q Is there any other work that

1 you did or articles that you reviewed?

2 A Well, I went back through my
3 files in order to be able to bring them
4 here today. I looked over some of the
5 references that I had previously brought to
6 my prior deposition.

7 Q And what materials did you
8 review specifically?

9 A Well, specifically, mostly the
10 -- those solvent case-control studies,
11 because in many of the cases, remember I
12 had put these colored stickys on them, and
13 in many of the cases they were not placed
14 in exactly where I had put them before, and
15 so I wanted to go through them to make sure
16 that at least that file had the -- had the
17 markings, so that if you wanted to discuss
18 any of those papers, I could quickly get to
19 the relevant information.

20 Q Are there any particular
21 solvent case-control studies that you
22 reviewed?

23 A Well, I read -- there were 31
24 of them, I think, and I looked over all of
25 them. I didn't really read them.

1 The only thing, like I
2 mentioned before, that I -- I looked at in
3 some depth was the -- was not one of those,
4 but was a Hayes study, and also then the
5 papers, the two papers, that have just been
6 marked as Exhibit 1.

7 Q Since your last deposition in
8 this case on April 1st, how much time have
9 you spent working on the Molina case?

10 A I would say probably maybe ten
11 hours, something like that.

12 Q And how much of that time was
13 spent discussing matters with counsel?

14 A I would say maybe 15 minutes,
15 maybe a little bit more.

16 Q Was that one conversation or
17 multiple conversations?

18 A Well, there are a couple.
19 Well, we talked a lot about scheduling and
20 what was going to happen.

21 And what I had -- it wasn't
22 clear to me whether or not I had to bring
23 everything, and I did wind up bringing
24 everything back again.

25 And I mentioned the articles

1 that I wanted to -- well, counsel had
2 informed me about the Bennett deposition
3 and that they were going to send that to
4 me. I mean -- well, whatever you want to
5 call it, trial testimony, and the
6 deposition, and so they in -- that was one
7 conversation where they informed me about
8 that. And then after I reviewed the
9 Bennett testimony, then I said to Miss
10 Khan -- Mrs. Khan, that I wanted to include
11 these two articles that are referred to in
12 the Hayes article because they describe
13 both the exposure assessment and also how
14 the cases were evaluated in terms of the
15 medical records.

16 Q What is the import of these
17 two studies that we have attached as
18 Exhibit 1 in your way of thinking?

19 A Well, I think that the, for
20 example, the Travis article in particular
21 talks about how cases were verified in
22 terms of whether or not they were
23 non-Hodgkin's lymphoma. And I just -- I
24 was interested in that because you and
25 Dr. Bennett had spent some time talking

1 about the degree of verification because
2 I'd also remembered that the Mayo Clinic
3 was involved in doing some of the
4 verification, and I wanted to see to what
5 extent they were able to verify cases of
6 NHL. And it turns out that whereas there
7 was a lot of leukemia cases that were able
8 to be verified because it included
9 peripheral blood slides, which, from what I
10 gather, was more available. There were
11 only four of the 12 NHL cases that could be
12 verified by histopathological evidence.
13 And that's found on page 93 of this paper,
14 the first column, the first paragraph. And
15 so it is -- it indicated to me that there
16 may be some uncertainty about the actual
17 diagnoses for the other 12 cases.

18 The other thing that is also,
19 I think, of some importance, is that this
20 is the paper where they actually talk about
21 how many non-exposed workers they had. And
22 also in that same page, table 1, the
23 non-exposed workers, there were only three
24 cases of malignant lymphoma and related
25 disorders, which would have included

1 non-Hodgkin's lymphoma. And I thought that
2 that number was quite a bit lower than one
3 would expect.

4 In other words, in the Chinese
5 studies, the reason that they compared them
6 to non-exposed workers is that they don't
7 have the kind of standardized mortality
8 statistics that we have in the United
9 States so that you can do a study and then
10 you can compare the mortality of the group
11 that you're studying to the general
12 population. And so they had to have a, in
13 essence, a comparison group. And that's
14 why they talk about relative risks rather
15 than SMRs.

16 And if the group that you're
17 comparing, using for comparison, happens to
18 have a lower than expected mortality rate,
19 then that would tend to overinflate or to
20 inflate the comparison to the group that
21 you're interested in studying.

22 And so I -- you know, that's
23 one of the things that I look for in these
24 types of studies is to see what is the
25 quality of the group that they are using

1 for comparison.

2 Q And in this last answer you
3 just gave, are you referring to the Travis
4 article?

5 A Yes.

6 And then, I guess you asked me
7 about the articles, plural. And the
8 Dosemeci, sorry I can't pronounce his name
9 very well, but I wanted to see also what
10 were the exposure levels that were involved
11 in these studies. And I think it's clear
12 that these were people that had reasonably
13 high levels of exposure in terms of part
14 per million in the atmosphere, and that can
15 be seen on table 3 of that paper on page
16 408.

17 Q Do you agree with the findings
18 of the Travis authors as reflected in the
19 last sentence of the abstract where they
20 state, "Results in our series, one of the
21 largest to date, also indicate that a
22 greater diversity of hematologic neoplasms
23 is evident among benzene exposed workers
24 than previously described"?

25 MS. KAHN: Objection. Overly

1 broad, vague and ambiguous, and calls for
2 speculation as to what the authors had in
3 mind.

4 A I mean the problem with their
5 statement is they are not really saying
6 anything other than the fact that there is
7 -- there are these hematologic neoplasms in
8 these people who are exposed, but they
9 really don't say whether those were at a
10 higher rate than would be expected or at a
11 lower rate than would be expected. So I'm
12 really a little puzzled by the way in which
13 they describe -- made that description.

14 Q Do you agree or disagree with
15 that statement of those authors?

16 MS. KAHN: Objection. Asked
17 and answered.

18 THE WITNESS: I don't have
19 anything to add to what I just said.

20 BY MR. HANLEY:

21 Q My question, sir, is: Do you
22 agree or disagree with that statement?

23 MS. KAHN: Objection.

24 Argumentative, asked and answered.

25 THE WITNESS: Well, I think

1 the statement is just -- all it is is
2 saying is that there are these
3 hematological neoplasms among benzene
4 exposed workers, and I don't really have
5 any disagreement with that statement
6 because it really doesn't -- I mean
7 that's evident that within any group of
8 workers there would be a -- there could
9 be a diversity of all sorts of different
10 diseases. The crucial question is
11 whether or not those are occurring at a
12 greater rate than would be expected or
13 not.

14 BY MR. HANLEY:

15 Q Isn't that the clear
16 implication of what they are saying? Isn't
17 that the import of that statement that
18 there is an increase in these, in a variety
19 or diversity of these cancers of the blood
20 forming organs?

21 MS. KAHN: Objection, the
22 question calls for speculation, it's
23 leading and suggestive. It's overly
24 broad.

25 THE WITNESS: No, I don't

1 think it says that or that's the
2 implication necessarily.

3 BY MR. HANLEY:

4 Q Well, when they say that, "The
5 results in our series, one of the largest
6 to date, also indicates that a greater
7 diversity of hematologic neoplasms is
8 evident among benzene-exposed workers than
9 previously described," what are they saying
10 is the greater diversity of hematologic
11 neoplasms that is evident compared to what
12 has been previously described?

13 A Well, I'd have to speculate
14 about what they actually are trying to say.
15 I think I gave you my answer about when you
16 tried to say, what does this imply? And I
17 said that I don't think it necessarily
18 implies what you had stated previously.

19 Q You don't think that it's
20 explained by the context of the abstract
21 which starts out saying that, "Although the
22 relationship between benzene and acute
23 non-lymphocytic leukemia, ANLL, is well
24 established, most of the analytic cohort
25 investigations examining the relationship

1 between benzene and hematologic neoplasms
2 have evaluated only death certificates to
3 validate diagnosis." You don't think that
4 the context of the last sentence is to be
5 taken from the abstract as a whole
6 including the first sentence?

7 A No.

8 Q So is your -- is the bottom
9 line of your testimony that you agree or
10 disagree with the last sentence of the
11 abstract?

12 MS. KAHN: Objection. Asked
13 and answered, overly broad, vague and
14 ambiguous, argumentative.

15 THE WITNESS: I don't have
16 anything to add to what I've already
17 said. I think you've asked that question
18 before.

19 BY MR. HANLEY:

20 Q I have, but I'm waiting for an
21 answer whether or not you agree or disagree
22 with that last sentence of the abstract?

23 MS. KAHN: Objection. Overly
24 broad, vague and ambiguous,
25 argumentative, asked and answered.

1 THE WITNESS: I don't know
2 any other way I can answer the question
3 other than the way I already have.

4 BY MR. HANLEY:

5 Q Do you understand what they
6 are saying in the last sentence? Do you
7 believe that you understand what it is that
8 they are saying?

9 A Well, I think what they're
10 saying is that they have looked at a number
11 of different hematological diseases in this
12 group of people, but I don't think they
13 have drawn any conclusion regarding it. I
14 think that's what they are saying is that
15 there is a -- they are looking at a more
16 diverse group of hematological neoplasms.
17 And don't forget that before this was
18 published, the Rinsky cohort only really
19 talked about leukemia. It wasn't until
20 2002 that, from the way I read the
21 literature, my understanding is that Rinsky
22 reported on their lack of increases in NHL.
23 So I think what they are implying here is
24 that they are looking at a wider range of
25 hematological neoplasms than previous

1 authors had described in 1994. And I think
2 that's the correct interpretation.

3 Q If you go to the Discussions
4 section on page 98 of the article and look
5 at the second paragraph of the second
6 section, and you'll see where the author
7 states that, quote, "Another new finding in
8 our study is the greater diversity of
9 hematological malignancies than usually
10 reported with benzene exposure, perhaps due
11 in part to the sizeable number of subjects
12 in a variety of occupational settings."

13 Did I read that correctly?

14 A I'm missing where you are.

15 Q In the Discussion section on
16 page 98 of the article.

17 A Okay.

18 Q If you look at the second
19 paragraph in the first sentence of the
20 second paragraph, did I read that sentence
21 correctly?

22 MS. KAHN: Objection. The
23 document speaks for itself.

24 THE WITNESS: Again, this
25 says pretty much the same thing as we

1 were discussing before in the abstract.
2 What these authors are talking about is
3 that they have -- they have looked at a
4 greater diversity of neoplasms in this
5 particular study than has previously been
6 studied, and I think that's a fair
7 statement in terms of the way that they
8 have reported the data.

9 BY MR. HANLEY:

10 Q So you would agree with that
11 sentence?

12 A Well, I don't know that --

13 MS. KAHN: Objection.

14 THE WITNESS: I don't think I
15 agree with the kind of interpretation
16 that you're trying to imply from that
17 sentence. I think that the sentence
18 speaks for itself. And I think that what
19 my interpretation -- I gave you my
20 interpretation of what I believe it says,
21 and I don't see how I can do anything
22 different from that.

23 BY MR. HANLEY:

24 Q Do you agree or disagree with
25 that sentence?

1 MS. KAHN: Objection. Asked
2 and answered, argumentative.

3 THE WITNESS: I've already
4 answered the question. I don't have
5 anything to add to it.

6 BY MR. HANLEY:

7 Q Sir, do you agree or disagree
8 with that sentence?

9 MS. KAHN: Objection. Asked
10 and answered.

11 THE WITNESS: As I mentioned
12 before, what I find in this sentence that
13 I agreed with is that, yes, indeed they
14 have examined a wider diversity of
15 hematological malignancies. And what I
16 see here is that they were able to do it
17 because they had a sizeable number of
18 subjects, because, don't forget, they
19 looked at, I think, 74,000
20 benzene-exposed workers, so that allows
21 you to be able to look at things that are
22 less common than the leukemias that have
23 been -- had been previously described.

24 BY MR. HANLEY:

25 Q These authors go on to publish

1 in subsequent papers, including the 1997
2 Hayes article that I was examining
3 Dr. Bennett about, that in fact they
4 associate high levels of exposure to
5 benzene with an increased risk for
6 non-Hodgkin's lymphoma; is that correct?

7 MS. KAHN: Objection. It's
8 vague and ambiguous. It's not clear if
9 by "these authors" you mean Travis et.
10 al, or another set of authors. Also, the
11 question is compound and overly broad.

12 THE WITNESS: Well, could you
13 repeat the question, please?

14 BY MR. HANLEY:

15 Q The authors of this particular
16 study, the Travis -- 1994 Travis article
17 that we're reviewing include Dr. Hayes;
18 correct?

19 A Yes.

20 Q And Dr. Hayes is one of the
21 researchers that has published repeatedly
22 regarding this Chinese cohort exposed to
23 high levels of benzene occupationally;
24 correct?

25 A Yes.

1 Q And Hayes, in subsequent
2 studies, based on the same data described
3 a -- in this 1994 article has gone on to
4 conclude and published in the scientific
5 literature, including the 1997 article that
6 I was asking Dr. Bennett about, that
7 exposure to benzene in the context and at
8 the levels described in the Chinese workers
9 is associated with an increased risk for
10 development of non-Hodgkin's lymphoma;
11 correct?

12 A Well, no, I don't agree with
13 that, because in 2000 Hayes et. al said,
14 and I quote, "We noted, however, that an
15 excess of NHL was found in our study which
16 could potentially be attributed to other
17 exposures as the excesses were significant
18 only in the subset of benzene exposed
19 chemical workers."

20 Q Where are you reading that
21 from, sir?

22 A This is one of the articles in
23 my files which is Hayes, and that is from
24 the Journal of Toxicological and
25 Environmental Health, year 2000.

1 Q Are you saying that Hayes has
2 published that non-Hodgkin's lymphoma is
3 not associated with benzene exposure?

4 A What he is saying is that the
5 associations they found -- don't forget,
6 these people were exposed to a lot of
7 different chemicals, not just benzene. And
8 so what he's saying is that the excess that
9 they found in their study could have been
10 due to co-exposure to other chemicals
11 because the excesses -- what he said, and I
12 quote, "The excesses were significant only
13 in the subset of benzene exposed chemical
14 workers." And, so in the other industries,
15 what he's saying is that these excesses
16 weren't significant.

17 In order to have some degree
18 of confidence in one's findings, I think
19 one have to be able to see the kind of
20 consistency among different industries
21 because what we're looking at is benzene
22 exposures; but, if you see a large excess
23 as you did in the chemical workers who were
24 exposed to lots of different chemicals and
25 you don't see those in some of the other

1 industry groups. I think it leads you to
2 the concern that this excess might be due
3 to the other chemicals that the chemical
4 workers were exposed to rather than to
5 benzene.

6 Q Yes, those other chemicals
7 that he's referring to are other
8 petrochemical hydrocarbon solvents;
9 correct?

10 MS. KAHN: Objection. The
11 question is overly broad, vague, and
12 calls for speculation.

13 THE WITNESS: If you would
14 like to refer me to something that is
15 what they are saying, I would be glad to
16 look at it, but I don't recall that that
17 is the case.

18 BY MR. HANLEY:

19 Q What other chemical exposures
20 is he talking about that would -- that you
21 have any information based on the context
22 of the studies or the people being
23 evaluated that would suggest that it was
24 anything other than hydrocarbon
25 petrochemical solvents that he's talking

1 about?

2 A If you want to give me some
3 time to look through this, I could try to
4 find it.

5 Q Yes, sir, if you can find
6 anything that Dr. Hayes or any of his
7 fellow authors have said in any of these
8 studies that suggest that the other
9 chemicals that he's referring to are
10 anything other than petrochemical
11 hydrocarbon solvents, please point it out
12 to me, sir.

13 A Well, it would take me a
14 while.

15 Q Well, do it. If he's not
16 talking about petrochemical hydrocarbon
17 solvents as the other chemical exposures,
18 you show me where it says that.

19 A Well, you show me where he
20 says that it is about petrochemical
21 exposures.

22 Q I'll show you that all of
23 these cohorts that he's looking at are
24 people that are exposed to petrochemical
25 hydrocarbon solvents, including benzene and

1 including other petrochemical hydrocarbon
2 solvents. That is, from what that I see
3 from these studies, the clear and obvious
4 import of their findings. You show me
5 where there's something different.

6 A Okay. Page 404, table 1.
7 Chemical workers. Organic synthesizers.

8 Now, organic synthesizers
9 means they are probably involved with a lot
10 of reactive intermediates. Some of these
11 will be epoxides. I don't know, I'm
12 speculating a little bit about that. But
13 as you can see, the other chemical workers
14 are pesticide production workers. Okay.

15 Q Anything else?

16 A Well, again, I can go through
17 and try to find some more, but it clearly
18 says organic synthesizer and pesticide
19 production worker, so those are not -- some
20 of those I would propose would not be the
21 kinds of petrochemicals that we're talking
22 about in this case.

23 Q Anything else? Are you
24 drawing the -- are you looking at the 2000
25 study that you referred to?

1 A No, this is the Dosemeci
2 study. This is the Hayes -- this is the
3 1994, that's the other part of Exhibit
4 number 1.

5 Q We'll talk about that in a
6 minute. But you were talking about a
7 statement made in this 2000 article;
8 correct?

9 A Well, you asked me to find
10 a -- some kind of documentation. And the
11 Dosemeci study is the one that they talk
12 most extensively about exposures, so I was
13 looking at that particular paper because
14 that's probably one of the better sources
15 of information.

16 Q Let's go back to the article
17 that you were quoting, published in 2000.

18 MS. KAHN: I believe it's
19 2001.

20 BY MR. HANLEY:

21 Q Was it 2001, sir?

22 A I think it's 2000.

23 Q I thought it was too. Why
24 don't you read the name of the article and
25 we'll attach it as Exhibit 2.

1 A Okay. Well, it's already part
2 of Exhibit 10.

3 Q That's all right.

4 A It's Benzene and
5 Lymphohematopoietic Malignancies in China.
6 Hayes and -- it's published in Journal of
7 Toxicology and Environmental Health, year
8 2000.

9 (Article entitled "Benzene and
10 Lymphohematopoietic Malignancies in China"
11 published in Journal of Toxicology and
12 Environmental Health, year 2000, was marked
13 as Plaintiff's Exhibit No. 2 for
14 identification.)

15 Q Now, where in that article is
16 there any suggestion that the other
17 chemicals he's referring to are not in fact
18 petrochemical hydrocarbon solvents?

19 A Well, all I can say is that
20 they also refer to the -- or they list in
21 their references the Dosemeci study. And
22 what this is, this particular paper is, is
23 more of a review article and discussion
24 article. And so I think that on page 426
25 they refer to the Dosemeci article, the one

1 that I'm just referring to in 1994, and
2 that's the article that then talks about
3 the fact that these chemical workers were
4 involved in doing -- included pesticide
5 production workers and also organic
6 synthesizers.

7 Q In that 2000 article marked
8 here as Exhibit 2, is there any explicit
9 reference anywhere in that article to
10 chemicals other than hydrocarbon
11 petrochemical solvents such as benzene and
12 other such solvents?

13 A Well, they don't specify the
14 particular chemicals that they are
15 concerned about as causing -- may be
16 involved in the excess -- excesses that
17 were found in non-Hodgkin's lymphoma. But
18 they -- all I can say is that they -- this
19 article refers -- relies upon, as does the
20 Hayes article by the way, to the Dosemeci
21 article in order to -- in order to provide
22 more information regarding the types of
23 exposures for the various groups.

24 Q I'm going to attempt to ask a
25 yes or no question. If you can answer it

1 yes or no, I would appreciate that. And if
2 you need to explain your answer in any way,
3 you're welcome to do that.

4 My question is: In the 2000
5 article marked as Exhibit 2, is there any
6 explicit reference to any chemicals other
7 than petrochemical hydrocarbon solvents
8 such as benzene and other such solvents?

9 A Well, I don't see any
10 reference to petrochemical solvents in that
11 paper. You're trying to say that they are
12 referencing petrochemical solvents, and I
13 don't really see that. They are talking
14 about benzene and other exposures that
15 chemical workers could have. They haven't
16 used the word, as far as I can tell in
17 their statement, other petrochemicals.

18 Q Sir, my question was, yes or
19 no, is there any explicit reference to any
20 chemicals other than benzene, or any other
21 hydrocarbon petrochemical solvents?

22 MS. KAHN: Objection. The
23 question lacks foundation based upon the
24 witness' answer. The question has been
25 asked and answered to the best that the

1 witness can.

2 THE WITNESS: That wasn't
3 your previous question. Your previous
4 question, if I can have it read back.

5 BY MR. HANLEY:

6 Q Well, answer the question
7 pending now, sir.

8 A Well, your question talked
9 about the previous question. So, I can't
10 really because it's predicated upon the
11 fact that you said that you had already
12 asked the same question, and I disagree
13 with that.

14 Q Is there any reference to any
15 chemical in the 2000 article other than
16 benzene or any other petrochemical
17 hydrocarbon solvents?

18 A All I can say is that they
19 don't used the word and/or other
20 petrochemical hydrocarbon solvents. I
21 don't see those words in this paper.

22 Q Do you have an understanding
23 of what petrochemical hydrocarbon solvents
24 are?

25 A Yes.

1 Q What is it, and what do they
2 include?

3 A Well, they include a wide
4 variety of things. One of them, of course,
5 being benzene. Another -- there are groups
6 of alkanes which are things like propane.
7 There are things like gasoline which is a
8 mixture. There is -- there's just a huge
9 variety of different kinds of gases, you
10 know, propane gas is what people use in
11 their stoves. There's methane, that's a
12 hydrocarbon. I think that -- and there are
13 aromatic hydrocarbons like benzene.

14 Q What references to any kinds
15 of solvents are expressly made in the 2000
16 article?

17 A I don't see them using the
18 word "solvent" in this 2000 article.

19 Q They do refer to benzene;
20 correct?

21 A They refer to benzene, yes.

22 Q You'll agree with me that
23 benzene is a petrochemical hydrocarbon
24 solvent; correct?

25 A That's one of its uses, yes,

1 as an -- I mean it can be used as a
2 solvent.

3 Q Do they refer to any other
4 petrochemical hydrocarbon solvents
5 expressly in this article?

6 A I don't see them referring to
7 any other petrochemical hydrocarbon
8 solvents.

9 Q Other than benzene; correct?

10 A That's correct.

11 Q And they don't refer to any
12 other chemical or solvent other than,
13 expressly, other than benzene; correct?

14 MS. KAHN: Objection. The
15 document speaks for itself.

16 THE WITNESS: Well, I don't
17 know in what context you're talking
18 about. They talk about smoking, tobacco
19 smoke, which of course includes lots of
20 different hydrocarbons. They include
21 paints, printing, which of course we know
22 include a lot of different kind of
23 solvents. But as you mentioned, this
24 paper is only one of a whole series of
25 papers that these authors have published,

1 and I think that they sort of have to be
2 taken together because they don't
3 redescribe a lot of things, they just
4 refer to their previous publications
5 which contain more complete descriptions.

6 Q Do you consider yourself an
7 epidemiologist?

8 A Well, I would not consider
9 myself in a sense the kind of
10 epidemiologist who would do an
11 epidemiological study. I mean I do a lot
12 of review of epidemiological studies as a
13 toxicologist, but I'm not an epidemiologist
14 per se. Toxicology requires the
15 understanding of different types of studies
16 including human epidemiology studies,
17 animal studies and invitro studies.

18 Q Have you had any formal
19 training in epidemiology?

20 A Well, I have worked with
21 epidemiologists pretty closely. I don't
22 know how -- I mean Ernst Wyder at the
23 American Health Foundation was a preeminent
24 epidemiologist. I learned a lot from him.
25 I co-published a paper with Jorgen Olsen,

1 head of the Danish Cancer Registry who is
2 an epidemiologist. I mean certainly in
3 medical school we had lectures about
4 epidemiology and studies like that. So I
5 mean -- as I said, I didn't specialize in
6 epidemiology as my field but I do have some
7 training and some -- and plenty of
8 experience in terms of being involved in
9 epidemiology studies.

10 Q What training have you had in
11 epidemiology, formal training?

12 A Well, if working with one of
13 the world's best epidemiologists side by
14 side, and a couple of them, and actually
15 working on epidemiology studies, I don't
16 know, do you think that qualifies as formal
17 training?

18 Q No, sir.

19 A Okay. Then I have worked with
20 epidemiologists. I have been involved in
21 epidemiological studies and I have reviewed
22 epidemiological studies and I also teach my
23 students in toxicology. One of my
24 responsibilities is how to review an
25 epidemiological study. And those are my

1 activities and that's the best answer I can
2 give you.

3 Q Have you ever taken a class
4 dedicated to the field of epidemiology or
5 the study of epidemiology?

6 A No, I have not, because, as I
7 said, I was -- I mean the -- none of my
8 classes -- well, some of the medical school
9 classes actually did involve looking at
10 epidemiology studies, so in a sense I did.
11 But I've never, for example, in schools of
12 public health there are whole departments
13 in epidemiology, and I have not
14 participated in those kind of courses.

15 Q In other words, you've never
16 taken a class wherein the class itself was
17 dedicated in any way to the field of
18 epidemiology or to the study -- or to the
19 discipline of epidemiology; correct?

20 MS. KAHN: Objection. It's
21 vague and ambiguous.

22 THE WITNESS: Well, like I
23 said, I haven't because I've learned by
24 doing and working with other
25 epidemiologists as a toxicologist.

1 BY MR. HANLEY:

2 Q You do recognize that
3 epidemiology is its own discipline with its
4 own set of classes and course work and
5 degrees including a doctorate degree in
6 epidemiology; correct?

7 MS. KAHN: Objection. The
8 question is overly broad and compound.

9 A Well, I know that as I
10 mentioned there are epidemiology
11 departments and there are degrees in
12 epidemiology, yes.

13 Q Including doctorate degrees;
14 correct?

15 A Yes. Usually they are part of
16 a Doctorate of Public Health. But with the
17 specialty in epidemiology, I don't know if
18 there's actually a -- actually a Ph.D. in
19 epidemiology, but there may be at some
20 schools, I don't know.

21 Q Is it fair to say that you
22 have more than 80 hours now invested into
23 this case?

24 A I would say that's probably
25 correct.

1 Q Is it your opinion that no
2 matter what level of benzene exposure
3 Mr. Molina suffered, and no matter what
4 level of additional exposure to
5 petrochemical hydrocarbon solvents he was
6 exposed to, it would be your opinion that
7 regardless of those levels, no matter how
8 high they were, his non-Hodgkin's lymphoma
9 was not caused by any such exposures?

10 MS. KAHN: The question is
11 overly broad as phrased and compound.

12 A Well, I think I mentioned
13 before we can only speak to what we have
14 already -- what has already been studied.
15 And so I'd have to qualify my answer by
16 saying that studies have looked at certain
17 exposures to benzene and other solvents. I
18 believe those exposures have been quite
19 high. So, given what you say, making the
20 assumption, and I think there's good
21 evidence for this based upon what the
22 industrial hygienists have come up with,
23 the fact that Mr. Molina was exposed to
24 within the range of the exposures that have
25 been studied in the scientific literature,

1 I would say the answer is yes, that within
2 that range of studies that are available to
3 us, which it's my conclusion which we've
4 discussed previously that it doesn't show
5 causal association between those benzene
6 and the solvents in this case to
7 non-Hodgkin's lymphoma. I can say that
8 within those exposure levels what you said
9 is just true, that his non-Hodgkin's
10 lymphoma is not due to his chemical
11 exposures.

12 Q That's not exactly the
13 question I asked. My question specifically
14 was whether or not that would be the case
15 regardless of the level of exposure that he
16 had?

17 A Well, as I said, I can only
18 speak in terms of what we know, in terms of
19 what we have available to us in the
20 scientific literature. If indeed it could
21 be documented that he was exposed to levels
22 way above those that have ever been studied
23 in the scientific literature, I would say
24 that that's then an unknown. But I believe
25 that his exposure, as they have been

1 estimated, fall well within what we have
2 seen in the scientific literature. And I
3 don't believe that his -- and that would
4 say that his non-Hodgkin's lymphoma is not
5 related to the exposures to those
6 chemicals.

7 Q Is there any level of exposure
8 to benzene or other petrochemical
9 hydrocarbon solvents that a person could be
10 exposed to that would increase their risk
11 for development of non-Hodgkin's lymphoma?

12 A Not that I'm aware of. As I
13 said, and again, that's based upon what is
14 available in the scientific literature.

15 Q Are you saying that if someone
16 has high enough level of exposure to
17 benzene, even higher than what's been
18 reported in scientific literature, and they
19 had a non-Hodgkin's lymphoma, you would not
20 know whether or not it was caused by that
21 exposure?

22 A Well, I would say the best
23 information is still that it's not caused
24 by that exposure. However, I would say
25 that it would be preferable to have studies

1 that match the exposures that we're talking
2 about so that we can be sure that it wasn't
3 caused.

4 Q Do you have an opinion whether
5 or not benzene, in the abstract, is, in
6 high enough dosages, is capable of causing
7 non-Hodgkin's lymphoma or not?

8 A All I can say is I don't have
9 any indication as far as I can see from the
10 scientific literature that would indicate a
11 causal relationship between benzene
12 exposure and non-Hodgkin's lymphoma.

13 Q Are you able to categorically
14 rule it out?

15 A Well, I think that calls for
16 speculation.

17 Q So is the answer you are not
18 able to categorically rule it out?

19 MS. KAHN: Objection.

20 Misstates the witness' testimony.

21 THE WITNESS: I'm saying that
22 I wouldn't speculate on something that I
23 can't rely upon the scientific and
24 medical literature for.

25 Q Is that to say that you cannot

1 categorically rule it out?

2 A That's the best answer I can
3 give you.

4 Q Would you agree that we can
5 categorically rule out the notion that
6 drinking water in whatever amount is not
7 capable of causing non-Hodgkin's lymphoma?
8 Would you agree that as a scientist and a
9 medical doctor and based upon your
10 understanding of what we know about the
11 mechanism of cancer and the affect of
12 drinking water on the human body that
13 regardless of whatever amount in one's
14 life, or acutely or chronically, that you
15 would feel comfortable categorically ruling
16 out the notion that drinking water can
17 cause non-Hodgkin's lymphoma?

18 A Well, I think it would be
19 difficult to study because if you're
20 talking about --

21 Q Sir, I'm not asking you about
22 how you might determine that. I'm asking
23 whether you believe you can categorically
24 rule it out or not. If you think you can't
25 categorically rule it out, then that may be

1 your answer, or do you believe that, yes,
2 as a scientist we can categorically rule
3 that out?

4 MS. KAHN: Dean, I think you
5 interrupted the witness' answer.

6 MR. HANLEY: That's because
7 I'm trying to get an answer to the
8 question I actually asked.

9 MS. KAHN: Dr. Whysner, do
10 you need to have the question read back?

11 THE WITNESS: No, I don't
12 know what question is on the table right
13 now because there were --

14 MR. HANLEY: I'll just ask
15 the court reporter to read it back to
16 you.

17 (The requested portion was read.)

18 MS. KAHN: The question is
19 compound and overly broad.

20 THE WITNESS: Well, first --
21 okay. What I can say is I really haven't
22 studied water and non-Hodgkin's lymphoma,
23 and I don't know that anybody has. And I
24 guess one can hypothesize that if you
25 drank a whole bunch of water and since

1 people can get a lymphoma of their
2 digestive tract called a MALT type
3 lymphoma, could the irritation of
4 drinking a lot of water over an extended
5 period of time disrupt the lymphoid
6 system in that area to a point where one
7 could get a non-Hodgkin's lymphoma in
8 that area? I think it's unlikely, but
9 could I categorically rule that out, if
10 somebody was indeed stressing their body
11 in such a way that for an extended period
12 of time, I'm not sure I can rule that out
13 categorically.

14 BY MR. HANLEY:

15 Q Is there any degree to which
16 you could or could not rule out benzene as
17 a cause of non-Hodgkin's lymphoma in
18 comparison to something like water?

19 A In what way in comparison to
20 water? I mean I've given you an answer for
21 benzene and I've given you an answer for
22 water.

23 Q Yes. And my question is: Is
24 it any closer of a call or any more
25 difficult to categorically rule out one

1 over the other given what we know about the
2 biological plausibility, for example?

3 MS. KAHN: Objection. It's
4 compound, it's overbroad, it's vague and
5 ambiguous.

6 THE WITNESS: I would be
7 speculating if I had to answer that
8 question.

9 BY MR. HANLEY:

10 Q What do you believe is the
11 cause of Mr. Molina's non-Hodgkin's
12 lymphoma?

13 A Well, I don't think we really
14 know what the cause is of his non-Hodgkin's
15 lymphoma.

16 Q Do you consider it to be
17 idiopathic?

18 A Yes. And that's a medical
19 term that's commonly used when we don't
20 know what is the cause of a person's
21 particular disease.

22 Q Do you believe that there is a
23 cause of his cancer but it's unknown or
24 that his cancer has in fact no cause?

25 MS. KAHN: Objection. Vague

1 and ambiguous, compound.

2 THE WITNESS: I don't think
3 we really -- I don't think we really have
4 a way of distinguishing between those two
5 possibilities.

6 BY MR. HANLEY:

7 Q Do you believe that his cancer
8 was spontaneous and had no cause at all, it
9 just spontaneously developed in him for no
10 particular reason, or do you believe that
11 there more likely was some cause but we
12 don't know what that is?

13 MS. KAHN: Objection. The
14 question is overly broad, compound, and
15 as to the word, "spontaneous," it's vague
16 and ambiguous.

17 THE WITNESS: I think the --
18 obviously when somebody gets a disease
19 there has to be some kind of disruption
20 in the cell.

21 Now, whether or not -- I mean,
22 if you want to use the term,
23 "spontaneous," for example, if a cell is
24 dividing and there's an error in DNA
25 replication that takes place because

1 statistically there can be errors in DNA
2 replication, and I guess you would call
3 that a spontaneous error. I think that
4 it's possible that people's diseases are
5 caused by those kinds of spontaneous
6 events, and, therefore, it's possible
7 that Mr. Molina's non-Hodgkin's lymphoma
8 could be caused by such a -- what you
9 could roughly in layman's terms be called
10 a spontaneous event even though it does
11 result in a specific genetic alteration,
12 but the reason for it happening is that
13 every once in a while when there's a
14 replication of DNA, things don't get
15 replicated exactly properly. And that's
16 not because of any interference with any
17 other environmental factor, it's just a
18 statistical probability.

19 Q Do you believe that can happen
20 in a case of development of non-Hodgkin's
21 lymphoma in the absence of any toxic
22 exposure or environmental exposure or some
23 outside environmental exposure to the cell
24 that caused that genetic alteration?

25 MS. KAHN: Objection. The

1 question is overly broad, compound, vague
2 and uncertain.

3 THE WITNESS: As I
4 mentioned -- could you read back the
5 question again. I am lost in the train
6 of thought there.

7 (The requested portion was read.)

8 THE WITNESS: Well, I think
9 that it can, because, like I said, there
10 are these errors in replication, and, you
11 know, again, it all depends on what you
12 mean by environmental or toxic exposure.

13 For example, oxygen, which is
14 something that is necessary for life, is
15 actually a toxic substance and there's a
16 lot of oxidative damage of DNA that goes
17 on on a regular basis.

18 Now, would you call that
19 spontaneous? I guess it depends what you
20 mean by spontaneous. But it's been
21 estimated that there are thousands of
22 these events per cell per day that takes
23 place just because we have to breathe
24 oxygen and oxygen happens to be a very
25 reactive chemical and it can damage DNA.

1 So there are all kinds of
2 events that can take place that don't
3 have to do with what we normally think of
4 as some kind of an environmental exposure
5 because there are things in the
6 environment like oxygen which can also
7 produce these effects.

8 BY MR. HANLEY:

9 Q Would you agree that oxygen
10 has never been associated with any
11 increased risk of any form of cancer?

12 A Well, I think that it's
13 actually -- oxidative damage is considered
14 in the scientific community to be one of
15 the events that can lead to cancer in
16 general. I mean, there's a lot of interest
17 in antioxidants to prevent this kind of
18 damage in order to prevent cancer. So I
19 think that -- I think the answer is yes, I
20 think that there is a -- there has been
21 postulated, widely as a matter of fact,
22 within the cancer prevention community the
23 fact that people believe that oxygen can
24 lead to cancer.

25 Q Can you point me to any

1 scientific or medical study that concluded
2 that oxygen is a risk factor for developing
3 any form of cancer?

4 A Well, it's -- I mean -- I
5 think there are lots of articles in the
6 scientific literature. I don't know that I
7 can point you to any one right now.

8 When I was at the American
9 Health Foundation we did a whole two-day
10 meeting on the issue of antioxidants and
11 the prevention of cancer predicated on the
12 knowledge that oxidative damage can lead to
13 cancer. Now, in our -- our certainty about
14 this is based upon some -- I have to admit
15 some circumstantial evidence. But I think
16 the -- I think it's widely accepted in the
17 medical and scientific community that
18 oxidative DNA damage is potentially a
19 cancer risk.

20 I didn't bring that literature
21 with me, but it certainly is out there.

22 Q Exposure to oxygen in what
23 context? Breathing?

24 A Yes; that's correct.
25 Breathing every day. I mean, oxygen is an,

1 unfortunately, a very reactive compound and
2 we -- it is what produces energy because it
3 has these high energy bonds in it, but
4 because of that it is also -- it's
5 technically a free radical and it can
6 produce damage.

7 Q And part of the human body's
8 ability to not succumb to cancer every time
9 there's such errors in replication due to
10 an exposure to whatever, whether it be
11 oxygen or asbestos in the ambient air or
12 any other carcinogen that we're exposed to,
13 the body has a way of dealing with that;
14 and, that is, cells, when they get that
15 genetic damage, more often than not if not
16 all of the time in someone who makes it
17 through life without developing cancer,
18 part of the immune system is that cell
19 dies; correct?

20 MS. KAHN: Objection. The
21 question is overly broad and vague.

22 THE WITNESS: Well, cells
23 that die don't cause cancer.

24 BY MR. HANLEY:

25 Q Right. That's why we don't

1 get cancer even though we have genetic
2 damage that occurred to various cells in
3 our body all of time; correct? The cells
4 get a genetic damage, replicate or attempt
5 to replicate, and because of that genetic
6 damage, have a programmed cell death and --
7 by ap --

8 A Apoptosis.

9 Q -- apoptosis, die; correct?

10 A Well, yes, but that doesn't
11 have a lot to do with the immune system.
12 That has to do with the cell's program,
13 self-program. And, actually, the first
14 line in defense is DNA repair which is not
15 an immune-mediated mechanism. It's, the
16 body has -- and that's the reason that we
17 don't succumb to cancer with all of these
18 oxidative DNA damage events is because
19 there are specific repair mechanisms that
20 are operative that are working to repair
21 that damage, and also there are
22 antioxidants in the body which are -- try
23 to prevent the oxidative damage from taking
24 place in the first place.

25 Q And when someone develops a

1 cancer that is in fact due to an
2 environmental exposure, whether it be a
3 mesothelioma from asbestos or a lung cancer
4 from smoking or an AML from benzene
5 exposure, it is because the body has not
6 been able to employ those normal defenses
7 that you're describing and the cell takes
8 that hit from exposure to the toxin, has
9 genetic alteration, has errors in
10 replication, and that is part of the
11 process that ultimately develops into a
12 cancer, and those are individuals who get
13 such a cancer from environmental exposure;
14 correct?

15 MS. KAHN: Objection. The
16 question is overly broad and compound.

17 THE WITNESS: It was an --
18 can you make it a little bit -- can we go
19 through that step by step in terms of
20 what you're talking about? That was a
21 lot of material you just covered.

22 BY MR. HANLEY:

23 Q Okay. Well, if we need to
24 read back the question, we will. I would
25 ask you to describe the step by step

1 process by which these type of cancers
2 develop in people at a cellular level and a
3 molecular level in the terms that we are
4 discussing?

5 MS. KAHN: I'm going to
6 object to that question to the extent
7 that it exceeds the scope of the witness'
8 retention and designation in this case.
9 Lung cancer and mesothelioma are not
10 issues that we asked Dr. Whysner to look
11 into and are not topics about which we
12 expect him to offer opinions at trial.

13 BY MR. HANLEY:

14 Q We're talking about general
15 carcinogenesis. Do you understand that,
16 sir?

17 A Well, yes, but I'd like to --
18 if I can have your question read back bit
19 by bit so I can comment on it. To be quite
20 honest, by the time I got to the end I
21 couldn't remember the beginning of it.

22 MR. HANLEY: Okay. I'll ask
23 the reporter to read it back.

24 (Record read as requested.)

25 THE WITNESS: So you said,

1 what I had been talking about. I was
2 talking about oxidative damage. Or at
3 least that's the way I interpret your
4 question.

5 BY MR. HANLEY:

6 Q Well, sir, I would ask you to
7 interpret my question in terms of what I
8 asked which was in an individual who
9 develops a cancer due to a particular type
10 of environmental exposure, and I gave you
11 three examples that I trust you would agree
12 with, of examples where that can happen in
13 people.

14 MS. KAHN: Objection. The
15 question is overly broad, compound,
16 vague, uncertain, argumentative and asks
17 for opinions outside the scope of this
18 witness' retention and expert witness
19 designation.

20 THE WITNESS: Well, you
21 mentioned those three examples, and I
22 really -- I'm not very familiar with
23 mesothelioma in terms of what the current
24 thinking is the way in which cancer is
25 produced.

1 And lung cancer from smoking
2 is a huge topic. I don't think we know
3 what the agents are within it. I mean
4 there are so many different agents, and
5 one of things that the American Health
6 Foundation that I used to sit through was
7 seminar after seminar after seminar of
8 people trying to figure out what indeed
9 it was within the cigarette smoke that
10 was causing the lung cancer. I mean
11 there's, you know, there's some good
12 theories. There's a lot of work out
13 there. But it's a very complicated
14 chemical mixture, and I wouldn't want to
15 speculate on trying to figure out what,
16 how cigarette smoking causes lung cancer.

17 I have, in terms of AML, you
18 know I have written on this, it's in the
19 published literature. We talked about
20 that. I have a paper out where I think
21 that the -- my best evaluation of the
22 scientific literature has to do with this
23 inhibition of Topoisomerase 2, and I
24 think that that causes certain genetic
25 alterations which lead to acute

1 myelogenous leukemia. And those genetic
2 alterations could be repaired, and
3 sometimes they are not and sometimes they
4 are aren't. And when they are not
5 repaired, then that can lead to the
6 development of acute myelogenous
7 leukemia.

8 BY MR. HANLEY:

9 Q And it takes more than one hit
10 from the toxin getting a single or whatever
11 amount of genetic damage that gets
12 replicated, but it takes more than one
13 exposure for the cancer to develop,
14 correct, in AML?

15 A Well, there's certainly a
16 number of different genetic alterations
17 that take place during the development of
18 AML. I guess the presumption would be that
19 it would require several events to take
20 place, as well as perhaps other kinds of
21 factors such as toxicity to the bone marrow
22 for that to happen.

23 Like I say, nobody in their --
24 there are several theories. One of them,
25 by the way, being oxidative damage which

1 has been proposed in terms of the
2 development of AML. But without
3 specifically knowing exactly how AML is
4 produced, it would be difficult to provide
5 you with an answer.

6 Q One of the things we know is
7 that enough exposure to benzene over time
8 can cause the particular cancer of the
9 blood forming organs, AML; correct?

10 A If -- it can. If we know
11 this, that people who have been exposed to
12 large amounts of benzene have developed --
13 have a -- have developed AML because we
14 know that there is a greater incidence of
15 AML among people who are exposed to high
16 levels of benzene.

17 Q And one of the reasons that it
18 takes exposure to certain levels of benzene
19 over time is because the cancer doesn't
20 develop from a single exposure causing a
21 particular genetic error in a cell that
22 replicates; correct?

23 A Could I ask you just one quick
24 question? Are we going to break pretty
25 soon, because we have been going --

1 Q That's fine. If we can finish
2 this particular area of inquiry, then I'd
3 be happy to take a break.

4 A Okay.

5 Q So do you have my last
6 question in mind?

7 A Could you read me the
8 question, please?

9 MR. HANLEY: I ask the
10 reporter to read it back.

11 (Record read as requested.)

12 THE WITNESS: I guess what
13 I'm having a little trouble with is the
14 last part, "that replicates." The error
15 that a single -- would you explain or --

16 BY MR. HANLEY:

17 Q Sir, let's see if we can get
18 on the same page. What I'm getting at is,
19 in basic general terms, that when benzene
20 causes an AML, it's because benzene gets to
21 the target organ and causes genetic damage
22 in a cell. That cell and -- that cell
23 replicates, as in the normal course as it
24 would, and now there are two cells with
25 this genetic damage. In our understanding

1 of carcinogenesis, generally, we know that
2 this happens, and in fact perhaps happens
3 all the time. Maybe even every day in the
4 human body. And very often, most of the
5 time perhaps, or virtually all of the time
6 perhaps in most of us if we're lucky, every
7 time that happens those cells with the
8 errors either repair -- repair themselves
9 as you described, or the human body is able
10 to repair them, or the cells die and go
11 through this apoptosis process by which
12 they program themselves to die. But
13 sometimes, and in those who develop a
14 cancer, those cells with that genetic
15 damage or one of them does not die and
16 continues on with that genetic damage and
17 then continues to replicate with that
18 particular genetic error, that itself does
19 not mean that the person gets cancer but
20 that particular cell with that genetic
21 error then has another exposure to the
22 toxin and then has further genetic error
23 and further genetic damage, replicates,
24 perhaps then they die, but maybe one of
25 those cells do not die and then further has

1 a further exposure to the toxin like
2 benzene, and then now has more, yet more
3 genetic damage. And over a series of time,
4 through a series of hits on these cells
5 with genetic errors, and then they get
6 increasing genetic error. And we don't
7 know how many hits it takes or over what
8 period of time it will take to develop, but
9 in some people they get enough genetic
10 errors replicated that finally this gets to
11 a -- becomes a cell that is cancerous and
12 has it's own uncontrolled cell growth and
13 replication. Is that -- is that consistent
14 with your understanding of some of our
15 understanding of the basic mechanisms of
16 carcinogenesis including for AML?

17 MS. KAHN: Objection. The
18 question is overly broad, vague and
19 uncertain, and it's an incomplete
20 hypothetical.

21 THE WITNESS: Well, I
22 disagree with your characterization as in
23 certain ways. First of all, you
24 mentioned benzene getting to the target
25 organ, and I think we had a fairly

1 extensive discussion the other day that
2 it is not benzene itself it's presumed.
3 Benzene has to be metabolized in the
4 liver by cytosome P450 to form certain
5 hydroxylated metabolites. So that has to
6 take place first, and that's, of course,
7 going to depend upon a person's
8 particular metabolism and so forth.

9 BY MR. HANLEY:

10 Q So benzene itself is not the
11 toxin. It's the by-product from the liver
12 that ends up being the toxin, but with that
13 correction in mind, if could you then
14 continue to answer my question.

15 MS. KAHN: Same objection.

16 THE WITNESS: Well, you know,
17 as I said before, I think that there --
18 we don't really know exactly how benzene
19 metabolites produce AML. And it would
20 be -- it was kind of a -- your
21 description, there was some parts of it
22 that were -- but I mean I'd have to go
23 through that description bit by bit to,
24 you know, to know whether or not -- I
25 mean, as we said, we haven't really

1 nailed down all of the components of the
2 way in which benzene metabolites produce
3 AML, and so it would be difficult for me
4 to agree with all of that that you just
5 said because I'm -- because in the
6 specific case here of benzene production
7 of AML, I think that we just don't have
8 enough information.

9 BY MR. HANLEY:

10 Q I'm just trying to see if we
11 can agree on the basic mechanisms, the very
12 basic mechanism of carcinogenesis. And is
13 not our general understanding of
14 carcinogenesis that the toxin causing the
15 cancer gets to a cell, causes genetic
16 damage, it replicates, and through a series
17 of continued genetic damage and replication
18 of that cell over a period of time with
19 some number, but multiple numbers, whether
20 it's 10 or 50 or 100, that that process
21 over time through repeated toxic exposures
22 that leads to the development of a cancer?

23 MS. KAHN: Objection. The
24 question is overly broad and compound.

25 BY MR. HANLEY:

1 Q Would you agree with that
2 general proposition about how cancer
3 develops?

4 MS. KAHN: Objection.

5 THE WITNESS: Well, I think
6 it ignores the, also the epigenetic
7 events that have to take place. You
8 know, it's not -- and apparently at least
9 for a lot of cancers -- and I'm not sure
10 whether or not this applies to AML or
11 not, but it probably does.

12 For a lot of cancers you also
13 have to have an environment and the
14 target organ that is also conducive to
15 the development of the cancer. You know,
16 sometimes it's a frank, you know,
17 toxicity that takes place. So it's not
18 necessarily just the chemical producing
19 or the chemical's metabolites producing
20 any kind of genetic alteration. There
21 may also be some elements of toxicity
22 involved which alter the environment, the
23 general cellular environment. So it's a
24 very complicated issue and to try to sort
25 of take a generalized scheme and apply it

1 to any particular cancer is an -- I think
2 is going to be very speculative.

3 BY MR. HANLEY:

4 Q What do you mean by
5 "epigenetic event"?

6 A Epigenetic events are those
7 that impact general expression. In other
8 words, the genetic material is producing
9 through the -- through messenger RNA and
10 through protein production is -- the gene
11 express themselves. But other things --
12 and genetic events can alter gene
13 expression, but you can also alter gene
14 expression through the interaction with
15 hormones and other -- and chemicals. For
16 example, estrogens.

17 In general, estrogens are not
18 thought to produce genetic damage, yet it's
19 widely believed that they are responsible
20 for increased risk of breast cancer, but
21 they do that through, presumably, some kind
22 of epigenetic mechanism where they actually
23 alter the gene expression rather than
24 altering the genes themselves.

25 Q Are you familiar with the

1 multi-hit theory of carcinogenesis --

2 MS. KAHN: Objection.

3 Q -- in the context of
4 environmentally caused cancers?

5 MS. KAHN: Objection. Overly
6 broad, vague and ambiguous.

7 THE WITNESS: And I think
8 maybe I even mentioned this last time,
9 that used to be thought to be -- the
10 multi-hit theory came out, I think, in
11 the 1950s, 1960s when we first began to
12 understand that some chemicals could
13 produce mutations, and, of course, that
14 was basis of the Aims Test and so forth.
15 But, I mean, our understanding of the
16 process of cancer production, I think,
17 has gotten a lot more sophisticated than
18 the -- we've gone beyond -- used to be
19 thought that that's all it required, just
20 several genetic events that the chemical
21 caused and that was the multi-hit
22 process. But I think that -- I think
23 that there are a lot of other things
24 involved, like I mentioned all the
25 epigenetic events that can take place.

1 Can we take a break?

2 MR. HANLEY: Yes.

3 (At this time a brief recess was held.)

4 BY MR. HANLEY:

5 Q Sir, the 1994 Dosemeci article
6 that is attached as part of Exhibit 1, you
7 have that in mind?

8 A Yes.

9 Q Isn't it true that the
10 exposures that they were assessing and
11 describing and studying in particular were
12 the benzene exposures of those workers?

13 A That's what they were
14 quantifying.

15 Q And that was in fact the focus
16 of their study and the epidemiologic
17 results that they were attempting to find
18 was relating to these workers' benzene
19 exposure; correct?

20 A That was the primary purpose,
21 yes.

22 Q The 1997 Hayes article,
23 benzene and dose related incidents of
24 hematologic neoplasms in China, do you have
25 that report there with you?

1 A Yes.

2 Q That study?

3 If you look at the abstract,
4 and in the Results section of the abstract
5 which begins at the bottom of the first
6 page of the article in the abstract and the
7 bottom of the first column. Do you see
8 where it says "Results: For workers
9 historically exposed to benzene at
10 levels -- at average levels of less than 10
11 parts per million, the relative risk for
12 all hematologic neoplasms combined was
13 2.2." Do you see that?

14 A Yes, I see.

15 Q Do you agree with that
16 statement?

17 A Well, in the sense that it --
18 I mean they are, in this particular
19 statement, they are lumping together all of
20 these different neoplasms which would
21 include the acute myelogenous leukemia, so
22 you can't really say what it's due to. And
23 also I want to point out that this 10 parts
24 per million is not 10 parts per million
25 years. This is the actual exposure level.

1 And with those explanations, yes, I agree
2 with what the statement, and that's what
3 they found.

4 Q If you go down to -- skip
5 through a couple of sentences, further on
6 in that same abstract, now, about a third
7 of the way down in the second column on the
8 first page, do you see where it says,
9 "Workers with 10 or more years of benzene
10 exposure had a relative risk of developing
11 non-Hodgkin's lymphoma of 4.2."?

12 A As I mentioned before, in
13 terms of all their industries, that's what
14 they found when combining all of these
15 industries. And as I mentioned before,
16 they later in the year 2000 were concerned
17 that some of this might be due to chemical
18 exposures other than benzene.

19 Q Do you agree with the
20 statement that I read?

21 A Well, with the understanding
22 that they weren't -- these people were
23 exposed to more than just benzene. They
24 found, among all of those workers, an
25 increase relative risk for -- I mean, I

1 would say there's -- there's benzene
2 exposure plus exposure to other chemicals.

3 Q Do you agree with the
4 statement as stated?

5 A No, because --

6 MS. KAHN: Objection. Asked
7 and answered.

8 THE WITNESS: As I said, I
9 believe that they -- because it's an
10 abstract statement, they didn't mention
11 all of the other exposures that these
12 workers had. So if I were writing this,
13 I would say, Benzene with 10 or more
14 years of benzene -- exposure to benzene
15 and other chemicals involved in their
16 industry had a relative risk of
17 developing non-Hodgkin's lymphoma; and,
18 which is what I mentioned that they later
19 pointed out in their 2000 publication.

20 Q Are you prepared to point to
21 anything other than that one sentence you
22 read for me in that 2000 exposure for your
23 argument here that they raised concerns
24 about chemicals other than benzene or other
25 petrochemical hydrocarbon solvents?

1 A Well, I think if you look at
2 table one in this paper which is on the
3 next page -- two pages.

4 Q Please refer to which paper.

5 A Hayes, 1997 paper. They list
6 the relative risks for NHL -- sorry.

7 (Interruption.)

8 THE WITNESS: Sorry. They
9 are delivering lunch. We can wait for a
10 while.

11 Can we go off the record for
12 just a second, I may have to do something
13 here.

14 (Discussion was held off the record.)

15 THE WITNESS: The risk, the
16 relative risk for NHL in the various
17 industries, and so I would again say that
18 the -- what they were referring to in
19 their 2000 paper is actually going back
20 to this where you see that for the
21 chemical workers, the relative risks are
22 much higher, twice as high just about,
23 than any of the other industries. And
24 for some of the industries with benzene
25 exposure the relative risks are 1.6, 1.6.

1 And, actually, in the rubber industry,
2 even though it's a 4.0, it's only
3 involving one case of non-Hodgkin's
4 lymphoma. So I -- so this would indicate
5 to me that there's a wide variation
6 depending upon the particular industry
7 with the highest being in the people who
8 are in the chemical industry which, as we
9 discussed with this Dosemeci article, the
10 people in the chemical industry were
11 involved in pesticide production and
12 they -- also organic synthesis reactions.

13 BY MR. HANLEY:

14 Q But my question was whether
15 there was any statement, other than the one
16 sentence you read to me from the 2000
17 article which you believe supports your
18 argument that the chemicals at issue are
19 being referred to are anything other than
20 benzene or other petrochemical hydrocarbon
21 solvents?

22 A Other than my interpretation
23 of what they are referring to in the -- I
24 mean, I mean, table one is not exactly a
25 statement, but it is an indication of the

1 underlying data that has to do with that
2 statement in their 2000 paper.

3 Q So the answer is no, other
4 than that sentence and your interpretation
5 of the table, there is no other statement
6 that you would refer to?

7 A Well, I believe that there
8 were some other statements, and I can't
9 find them right now, that talked about
10 other exposures of the people in other
11 industries, but I can't really locate it
12 right now.

13 So sitting right here, as I
14 said, I think in -- I'm not sure whether it
15 was the Hayes paper or whether it was the
16 Dosemeci paper or whether it was a Travis
17 paper or whether it was one of the other
18 papers in this whole series where they
19 discuss this issue. I believe that there
20 was another statement about the -- the
21 different exposure, but I can't locate it
22 right now sitting here.

23 Q And you'll agree that the only
24 chemical that is focused upon, measured and
25 analyzed in terms of its potential

1 causative association with any of these
2 cancers of the blood forming organs in all
3 of these studies from this research of the
4 Chinese workers is benzene?

5 A Well, that is the one that
6 they quantify in these studies, and, as you
7 mentioned, the focus of this was to
8 determine the associations between
9 quantified benzene exposure and
10 non-Hodgkin's lymphoma, but obviously there
11 were other chemicals that these people were
12 exposed to.

13 Q Is that a way of saying yes,
14 with that explanation?

15 A It's my way of saying what I
16 did say, which was that this is the
17 quantified benzene exposure, but they did
18 not quantify the exposure to other
19 chemicals and --

20 Q Let me ask my original
21 question be read back, and I ask you, sir,
22 for a yes or no with whatever explanation
23 you feel necessary.

24 (Record read as requested.)

25 THE WITNESS: That is what

1 they focused on in these papers.

2 BY MR. HANLEY:

3 Q Is that a way of saying yes?

4 A Yes.

5 Q Thank you.

6 Now, going back to the 1997
7 Hayes article. If we continue where I last
8 left off, that sentence continues to say,
9 "And the development of this neoplasm was
10 linked most strongly to exposure that had
11 occurred at least ten years before
12 diagnosis, i.e, distant exposure."

13 Do you agree with that
14 statement?

15 A Well, there's a little more --
16 I mean, the relative risk for five to nine
17 years in table one was 3.3, and a greater
18 than 10 was 4.2. So there is a slightly
19 greater risk for greater than 10 compared
20 to less than 10, but it's not great. It's
21 a difference between 3.3 and 4.2.

22 Q Is that a way of saying yes
23 with that explanation?

24 A I think that's what I said.

25 Q So you agree with that

1 statement with that explanation?

2 A With that explanation.

3 Q All right. Now, if we go down
4 a little further to the third to the last
5 sentence of the abstract, right after where
6 they state "Conclusions:"

7 A Um-hum.

8 Q They state, "The results of
9 this study suggests that benzene exposure
10 is associated with a spectrum of
11 hematological neoplasms and related
12 disorders in humans."

13 Do you agree with that
14 statement?

15 A I think "suggest" is the right
16 word. Yes, I agree with that. I don't
17 think that they concluded that, or it's a
18 -- it's a -- something that one can say
19 there's a causal relationship, but there's
20 a suggestion from this study.

21 Q The next sentences says,
22 "Risks for these conditions are elevated at
23 average benzene exposure levels of less
24 than 10 ppm and show a tendency, although
25 not a strong one, to rise with increasing

1 levels of exposure."

2 Do you agree with that
3 statement?

4 A Again, with -- yes, I do, and
5 with the understanding that we're not
6 talking about ppm years, but we're talking
7 about 10 ppm exposures which they have
8 characterized in the paper of constant ppm.
9 In other words, when people have been
10 exposed to 10 ppm constantly for a given
11 period of time. And that the dose response
12 tendency, I agree, is not very much.

13 Q So you agree with that
14 statement then?

15 A I think that's what I just
16 said. That with those -- with that
17 explanation, that I want to make sure we
18 understand that we're talking about parts
19 per million. Ten parts per million, of
20 course, is ten times the current TOV in the
21 United States.

22 Q And when they refer to the
23 risk for, quote, unquote, these conditions,
24 the conditions they are referring to are
25 the spectrum of hematologic neoplasms and

1 related disorders in humans. In other
2 words, cancers of the blood forming organs
3 including various lymphomas and leukemias;
4 correct?

5 MS. KAHN: Objection. Calls
6 for speculation as to what they refer to
7 and it's overly broad and compound.

8 THE WITNESS: Yes, I don't
9 know that I can really -- I mean, from
10 the abstract it's not really clear
11 exactly what they are referring to.

12 BY MR. HANLEY:

13 Q What do you believe that they
14 are referring to in the statement that, The
15 results of this study suggests that benzene
16 exposure is associated with a spectrum of
17 hematologic neoplasms and related disorders
18 in humans. What is the spectrum of
19 hematologic neoplasms and related disorders
20 in humans that they are referring to, sir?

21 A I don't know what "spectrum"
22 means. A variety, but they don't specify
23 which ones particularly, so I really
24 couldn't say.

25 Q In the context of this study

1 and in the context of this article in
2 particular, does that spectrum that they
3 refer to not include AML, NHL and other
4 cancers of the blood forming organs?

5 A Well --

6 MS. KAHN: Objection. It's
7 overly broad, vague and ambiguous.

8 MR. HANLEY: No, it's not.
9 Go ahead, Doctor.

10 THE WITNESS: I don't exactly
11 know what particularly they are talking
12 about with this spectrum. You know, I
13 would -- I would be reading something
14 into the paper here in their conclusions,
15 but, you know, that's the best answer I
16 can give you.

17 BY MR. HANLEY:

18 Q So your answer is you don't
19 know what spectrum of hematologic neoplasms
20 and related disorders in humans that they
21 are referring to?

22 A I don't know -- for example, I
23 don't know what they mean by "related
24 disorders."

25 Q And reading this -- you've

1 read this entire study, I take it, many
2 times?

3 A I would say I've read it. You
4 know, recently I've read it over once and I
5 read it a couple of times, several times in
6 the past, but I don't know what they mean
7 by "related disorders" for example, so it
8 makes me a little bit curious as to what
9 they mean by, "hematologic neoplasms and
10 related disorders."

11 Q So reading this study as many
12 times as you read it, you don't know what
13 they are referring to when they refer to in
14 their abstract as a spectrum of hematologic
15 neoplasms?

16 MS. KAHN: Objection. Asked
17 and answered, argumentative.

18 THE WITNESS: I think I've --
19 I think I have answered as best as I can.

20 BY MR. HANLEY:

21 Q Well, you made specific
22 reference to the related disorders and
23 described your nonunderstanding of what
24 they mean by that; is that right?

25 A Well, if you refer me to

1 something where they define that, I would
2 be happy to comment on that.

3 Q I'm asking for your opinion
4 based on having read the article, sir.

5 A Which I've given to you.

6 Q Do you know what they mean
7 when they refer to a spectrum of
8 hematologic neoplasms?

9 MS. KAHN: Objection. Asked
10 and answered.

11 THE WITNESS: As I said, I
12 would be speculating if I tried to
13 interpret what they meant by the spectrum
14 of hematological neoplasms in this
15 particular sentence.

16 BY MR. HANLEY:

17 Q Well, what hematologic
18 neoplasms do the results of this study
19 suggest that benzene exposure is associated
20 with?

21 A Well, I mentioned to you the
22 fact that I don't think it's clear, for
23 example, that it includes NHL based upon
24 what they have written in the year 2000 and
25 what I see in the table regarding the

1 various industries and how the relative
2 risks for NHL are so inconsistent among the
3 various industries.

4 Q You would agree that their
5 reference to a spectrum of hematologic
6 neoplasms includes AML?

7 MS. KAHN: Objection. Calls
8 for speculation. The article speaks for
9 itself.

10 THE WITNESS: I can't give
11 you a better answer than I already have.
12 I don't know what they mean by spectrum.
13 If they had said what that spectrum is,
14 that would be one thing. I would presume
15 that it includes AML, but the term is --
16 the wording that they are using is kind
17 of vague.

18 BY MR. HANLEY:

19 Q Would you presume that it
20 includes anything else?

21 A I don't know.

22 Q Would you agree that it
23 necessarily infers more than one cancer of
24 the blood forming organs?

25 MS. KAHN: Objection. Vague

1 and ambiguous.

2 THE WITNESS: Meaning in
3 terms of suggesting something?

4 BY MR. HANLEY:

5 Q Yes, sir. In terms of what
6 they said.

7 A Well, I don't know because,
8 you know, for example, they have on table
9 two, again, they have NHL, they have
10 leukemia, and then they have ANLL and they
11 have ANLL/MDS and then they have other
12 leukemias. And I don't know exactly what
13 they are referring to in terms of that
14 analysis because they've obviously referred
15 to all leukemias, but then they have
16 referred to ANLL, and then they have
17 referred to ANLL/MDS, so it's kind of
18 difficult for me to figure out exactly what
19 they are talking about here.

20 Q And you don't think that the
21 spectrum of hematologic neoplasms that they
22 refer to include all of those?

23 A I don't know.

24 MR. HANLEY: All right. I
25 have a few more questions about this

1 article that I want to ask you, if you
2 don't mind, before lunch unless you
3 really feel like you want to take lunch
4 right now, then we can.

5 THE WITNESS: No, we can go
6 on.

7 BY MR. HANLEY:

8 Q If you go to the discussion
9 section of the article itself on page 1068.
10 Now, if you look at the first sentence of
11 that discussion section where it says,
12 quote, "In earlier reports we showed that
13 employment in benzene exposed jobs in
14 China, regardless of the exposure level,
15 was linked to hematologic disease mortality
16 and the incidence of leukemia, NHL, MDS and
17 aplastic anemia" unquote.

18 Do you agree with that
19 statement, sir?

20 A Well, I don't know that I have
21 their earlier reports with me, so I don't
22 know if I can agree with that statement.

23 Q You see that it refers to two
24 studies specifically; correct?

25 A Refers to two.

1 MS. KAHN: The reference to
2 the two earlier reports are footnotes 22
3 and 23.

4 MR. HANLEY: You know, I'm
5 not asking you to testify, Counsel.

6 MS. KAHN: Just, the witness
7 doesn't know if he has them with him. It
8 sounded like he wanted to look at them to
9 answer the question. So I just wanted to
10 be helpful and tell him what they are so
11 he can see if they are in his materials
12 or not. He may not be able to answer
13 your question without looking at them.

14 MR. HANLEY: I would
15 certainly think if he was looking at the
16 study he would see the footnotes for
17 himself, Coach.

18 MS. KAHN: Just trying to be
19 helpful.

20 BY MR. HANLEY:

21 Q And my question was, sir, you
22 see the two studies to which he's
23 referring?

24 A Yes, I know the question. I'm
25 looking for the studies.

1 Well, I have one of them but I
2 don't have the other one.

3 Q Have you read both of those
4 studies in the past?

5 A I can't recall. And with the
6 Hayes article, Environmental Health
7 Perspectives often republishes the same
8 thing that is in another journal. That's
9 my recollection. And to be quite honest, I
10 can't tell you if the reason I don't have
11 it with me is because it's duplicative of
12 this other paper or not, but I can't really
13 tell you right now.

14 Q The two references they make
15 to footnotes 22 and 23 in the first line of
16 the Discussions section, in the first
17 sentence of the Discussion section, do you
18 know whether or not you have read either or
19 both of those studies?

20 A Well, I have one of the
21 studies with me. I have the Yen paper with
22 me.

23 Q The 22 or 23?

24 A That's 22.

25 Q I take it you have read that

1 study?

2 A Yes.

3 Q Have you read it recently?

4 A No, because I focused -- I
5 think I focused on the Hayes because the
6 Hayes '97 and the 2000, I think, were
7 reporting the same study. And they were
8 more recent publications so I focused on
9 those.

10 Q And the study referred to by
11 footnote 23, is that a study that you have
12 read?

13 A I believe so.

14 Q Do you agree that in those two
15 reports that the investigator showed that
16 employment in benzene exposed jobs in
17 China, regardless of the exposure level,
18 was linked to hematologic disease mortality
19 and the incidence of leukemia, NHL, MDS and
20 aplastic anemia?

21 MS. KAHN: Objection. Overly
22 broad, compound, calls for speculation
23 based upon the witness' testimony, and
24 those documents which are not before us
25 speak for themselves.

1 THE WITNESS: What I see is
2 they reported the same thing that the
3 Hayes -- I believe they reported the same
4 thing that the Hayes 1980 -- I mean,
5 excuse me, '97 article reported. I don't
6 see any difference except they didn't
7 need -- the non-Hodgkin's lymphoma that
8 they reported here -- well, there's a
9 discrepancy. There's non-Hodgkin's
10 lymphoma data that they reported here
11 they found a relative risk of 3.0 with a
12 confidence limit that it included one
13 which is probably -- wouldn't make it
14 statistically significant. And the same
15 -- for some reason they dropped one of
16 cases of non-Hodgkin's lymphoma,
17 apparently in the '97 report, because
18 there are only 16 cases of NHL versus the
19 17. So, I don't -- I guess I don't
20 understand -- and then the result is not
21 statistic -- clearly not statistically
22 significant in their '97 paper. So
23 there's something different going on.
24 They apparently must have decided that
25 one of these cases of NHL and the exposed

1 workers wasn't part -- shouldn't be part
2 of the cohort.

3 BY MR. HANLEY:

4 Q Sir, you haven't answered my
5 question. My question was: Do you agree
6 that those two reports -- that in those two
7 reports they showed that employment in
8 benzene exposed jobs in China, regardless
9 of the exposure level, was linked to
10 hematologic disease mortality and the
11 incidence of leukemia, NHL, MDS, and
12 aplastic anemia?

13 MS. KAHN: Objection. Calls
14 for speculation, overly broad, compound,
15 argumentative, asked and answered. Those
16 documents speak for themselves.

17 THE WITNESS: Well, this is
18 an introductory statement of about what
19 they previously found. But as I said,
20 this is -- this is reporting what this
21 earlier paper -- well, I don't even know
22 if it reports what the earlier paper
23 found because the confidence limit
24 includes one which is usually -- it has
25 to be above one in order to be considered

1 statistically significant. And in the
2 '87 article they dropped, apparently, one
3 of the cases, and then it's no longer
4 statistically significant. So maybe what
5 they are saying, we showed this but it's
6 no longer true anymore. I don't know. I
7 would have to -- I wouldn't want to take
8 that statement out of context.

9 BY MR. HANLEY:

10 Q Sir, are you saying that no,
11 you do not agree with that statement?

12 A I don't agree with that
13 statement in the sense that if the
14 earlier -- well, I agree that the earlier
15 report reported that, but the current
16 report that they are talking about here did
17 not report that. Because whereas -- well,
18 it didn't even report that. Because, like
19 I said, the relative risk includes 1.0, and
20 I don't consider that to be a statistically
21 significant finding, especially given the
22 fact that when they apparently -- this
23 later report of this study doesn't even
24 have one of those cases, and now the lower
25 confidence limit is -- now I lost the page

1 here.

2 Q Sir, I haven't asked for your
3 analysis, so you're not answering my
4 question.

5 A I think I did answer.

6 Q I simply asked you the
7 question of whether or not you agree with
8 these authors that the two studies that
9 they cite show what they say they showed?
10 Do you agree or disagree with these authors
11 when they say that these two articles
12 footnoted and referenced as 22 and 23
13 showed that employment in benzene exposed
14 jobs in China, regardless of the exposure
15 level, was linked to hematologic mortality
16 and the incidence of leukemia,
17 non-Hodgkin's lymphoma, MDS and aplastic
18 anemia, do you agree with that statement or
19 do you disagree with that statement?

20 MS. KAHN: Objection. Asked
21 and answered.

22 MR. HANLEY: It's been asked.
23 It hasn't been answered.

24 THE WITNESS: I guess, based
25 upon what I have just said, I would have

1 to disagree with that statement because I
2 don't believe that it shows a
3 statistically significant result, and in
4 their update they have dropped one of the
5 cases which made it even less of a
6 statistically significant result.

7 BY MR. HANLEY:

8 Q Thank you for answering my
9 question.

10 That only took about 15
11 minutes.

12 The next sentence in the
13 Discussion section says, quote, "This
14 investigation provides evidence that
15 benzene may cause hematologic neoplasms and
16 related disorders at average exposures of
17 less than 10 ppm and accumulative exposure
18 of less than 40 ppm years."

19 Do you agree or disagree with
20 that statement?

21 A I think the operative word
22 here is "may." And if you look at the -- I
23 agree -- well, let me just say that the
24 operative word here is -- let me look at
25 the data here for a second.

1 ANLL less than 40, there is an
2 increased relative risk but the lower
3 confidence interval is .5. So it may, but
4 as I say it may not because this is not a
5 statistically significant result.

6 Q So you would agree then with
7 that statement as it's phrased?

8 MS. KAHN: Objection. Asked
9 and answered.

10 THE WITNESS: Well, as it's
11 phrased that it may, I agree the
12 statement says that it may. But I want
13 to point out that it's not a
14 statistically significant result, and I
15 want to emphasize the word "may."

16 BY MR. HANLEY:

17 Q Is that to say then that you
18 cannot rule out that benzene causes some
19 number, more than one, of hematologic
20 neoplasms and related disorders at the
21 levels that they describe?

22 MS. KAHN: Objection.
23 Compound, over broad, vague and
24 ambiguous.

25 THE WITNESS: Well, I don't

1 think you can ever prove a negative. Is
2 that what you're trying to say?

3 BY MR. HANLEY:

4 Q Yes. I'm asking, you agree
5 that you can't rule out that benzene causes
6 some multiple number of hematologic
7 neoplasms at average exposures less than 10
8 ppm and cumulative exposures of less than
9 40 ppm years?

10 MS. KAHN: Objection. Over
11 broad, compound, vague and ambiguous.

12 THE WITNESS: Based upon this
13 data, I don't think there's any
14 indication one way or the other.

15 BY MR. HANLEY:

16 Q So you agree that it cannot be
17 ruled out?

18 A Well, I think it's --

19 MS. KAHN: Objection.

20 Argumentative, overbroad.

21 THE WITNESS: I think that I
22 would have to interpret it that you can't
23 really say. Based upon these numbers,
24 there's not a statistically significant
25 result and it doesn't really provide you

1 any information to say one way or the
2 other.

3 BY MR. HANLEY:

4 Q If you go on a little further
5 in that Discussion section to the next
6 column and look at the first full sentence
7 in the last column, do you see where it
8 says, quote, "Risk for NHL increased with
9 duration of exposure and was strongest
10 among workers who had distant greater than
11 or equal to ten years exposure" unquote.

12 Do you see where it says that?

13 A Yes, the first sentence, okay.

14 Q Do you agree with that
15 statement?

16 MS. KAHN: Objection as
17 speculative as to what the statement
18 means.

19 (Witness perusing document.)

20 A Well, I have to say that the
21 relative risks in this -- described in
22 this program, I'm not exactly sure what
23 they mean by risks, because risks sometimes
24 has a -- and I know in California law I've
25 heard it has a particular meaning. So I

1 wouldn't -- want to make sure that we're
2 not talking about any kind of legal
3 definition of risk.

4 What they found was that the
5 relative risk was greater -- well, I think
6 I mentioned this before, I don't see a big
7 difference between the 4.2 greater than 10
8 and the 3.3 for five to nine years. There
9 is a small increase, but it's not a great
10 difference between those two for NHL.

11 Q Do you agree or disagree with
12 that sentence?

13 A As I said, I don't agree with
14 the way that they use the word "risk,"
15 because I think what they are talking about
16 the relative risks that they have found in
17 this study, I don't think that that implies
18 that there is a true risk. But I think
19 that this is a -- I mean I think it's
20 just -- I think it's just not worded very
21 well because there might be some ambiguity
22 in the way one would interpret the word
23 "risk."

24 Do you think we can take that
25 break now?

1 Q This is my last question on
2 this article is this one sentence and then
3 I'm done. I'm just trying to understand
4 your testimony about it.

5 What is ambiguous about their
6 use of the word "risk" in that sentence?

7 A I think I explained that a
8 couple of times.

9 Q Do you agree or disagree that
10 their study shows that the risk for
11 non-Hodgkin's lymphoma increased with
12 duration of exposure?

13 A You know, we had this whole
14 discussion when we were talking about the
15 abstract because they said almost the same
16 thing in the abstract except they used the
17 word RR which is "relative risk," and I
18 think we covered this already when we were
19 talking about the abstract.

20 Q Defining "risk" as you deem
21 appropriate and necessary, do you agree or
22 disagree that the risk for non-Hodgkin's
23 lymphoma increased with the duration of
24 exposure in this study?

25 MS. KAHN: Objection. Asked

1 and answered.

2 THE WITNESS: What I think I
3 disagree with -- I think the implication
4 that there's a big difference between
5 five to nine years and greater than 10,
6 one cannot say that. I mean that's where
7 I'm having the difficulty in terms of
8 relative risk, because we're going from
9 .7 to 3.3 and then to 4.2. So, I think
10 the way I would look at this information,
11 it's statistically significant above 10,
12 but between five and nine it's 3.3 versus
13 4.2, and I don't think that -- if the
14 implication is that there's a big
15 dividing line between 10 versus less than
16 10, I'm not sure that one can conclude
17 that from this data.

18 BY MR. HANLEY:

19 Q Then are you saying that you
20 disagree with the statement that the risk
21 for non-Hodgkin's lymphoma increased with
22 the duration of exposure?

23 A The way that -- I think that
24 it's fair to say that the relative risk
25 measured in this study, what they found,

1 they found a greater relative risk with
2 duration of exposure, but I think that's
3 all one can say.

4 Q So you agree then that they
5 found increased risk with increased
6 duration of exposure?

7 A Yes, but like I said --

8 MS. KAHN: Objection.
9 Mischaracterizes the witness' testimony,
10 vague and ambiguous, argumentative.

11 THE WITNESS: As I said, they
12 found a greater relative risk in terms of
13 what we -- they measured relative risk
14 for non-Hodgkin's lymphoma in the longer
15 duration exposures.

16 BY MR. HANLEY:

17 Q All right. And I believe you
18 started your answer by saying "yes," with
19 that explanation that you just gave;
20 correct?

21 A Well --

22 Q I want to make sure we're
23 clear on our record because you were
24 interrupted by counsel's objection. So I
25 want to make it clear that the answer to my

1 last question was, yes, with the
2 explanation that you gave following
3 counsel's objection?

4 MS. KAHN: Objection to the
5 extent that mischaracterizes what the
6 witness said.

7 MR. HANLEY: Does not.

8 BY MR. HANLEY:

9 Q Go ahead, Doctor.

10 A What this study showed --

11 Q Sir, I didn't ask you -- I
12 asked a very specific question. My
13 question was: In response to my last
14 substantive question your answer was, yes,
15 interruption by counsel, then continuing
16 with your explanation; correct?

17 A I don't know. I've lost
18 the -- I've lost the train of thought here.

19 MR. HANLEY: Then let me ask
20 the court reporter to read back the
21 question that I asked about, your answer,
22 and then my follow-up question.

23 MS. KAHN: For the record, I
24 was not intending to interrupt any
25 testimony. We're doing this deposition

1 by telephone. And if one person is
2 speaking, there's no way to know if
3 another person is speaking at the same
4 time. So I apologize if I did interrupt
5 an answer, that certainly was not my
6 intent.

7 THE WITNESS: And I
8 apologize, I did not give my counsel a
9 chance to proffer her objection before I
10 answered the question.

11 (Record read as requested.)

12 BY MR. HANLEY:

13 Q So, Doctor, having had the
14 record read back to you, it's correct that
15 what I asked you if the risk increased for
16 non-Hodgkin's lymphoma when the duration of
17 exposure increased in this study, your
18 answer is yes with the explanation that
19 you've given; correct?

20 A Yes, with the explanation that
21 we're talking about relative risk in this
22 study. We're not talking about an actual
23 risk.

24 Q How do you distinguish a
25 relative risk versus an actual risk?

1 A Well, I just -- I think that
2 it's a question of whether or not one can
3 use the results of this information and
4 generalize. I think that the relative risk
5 is a measurement, to me, if -- if in some
6 context, because as I mentioned before I
7 get involved in risk assessment procedures.
8 The question of risk means a sort of
9 generalizable term, and so I want to
10 make -- I just, I just wanted to make clear
11 the distinction between a relative measured
12 risk in a study and something then that one
13 can generalize about.

14 Q Would you agree, sir that
15 relative risk is a term of art in
16 epidemiology?

17 A Term of art. Not exactly sure
18 what you mean by that.

19 Q Would you agree that relative
20 risk is a specific epidemiologic term with
21 a defined meaning in the field of
22 epidemiology?

23 A Yes.

24 Q Would you agree that there is
25 no such term "actual risk" in the field of

1 epidemiology?

2 A Actual risk?

3 Q Correct.

4 A I don't know.

5 Q Is there a such a thing as
6 specifically defined term "actual risk" in
7 epidemiology --

8 MS. KAHN: Objection. Vague
9 and ambiguous.

10 Q -- in the way that relative
11 risk is?

12 A I have not heard that term
13 used so I really couldn't say.

14 Q And another term, a specific
15 term in epidemiology is "attributable
16 risk", would you agree with that?

17 A I don't know that terminology,
18 what exactly is meant by that.

19 Q Would you be able to describe
20 or define the difference between relative
21 risk and attributable risk as those terms
22 are understood in the field of
23 epidemiology?

24 A If you would like to show me
25 or give me a definition for what you

1 understand as attributable risk, I would be
2 glad to try to explain that.

3 Q I'm not the expert here, sir.
4 I'm asking you to. Are you able to
5 distinguish and define the terms "relative
6 risk" compared to "attributable risk" as
7 those terms are understood and used in the
8 field of epidemiology?

9 MS. KAHN: Objection. The
10 question lacks foundation and calls for
11 speculation based on his testimony that
12 he's not familiar with the term
13 "attributable risk" in the field of
14 epidemiology or his use by you in the
15 field of epidemiology.

16 THE WITNESS: I think that
17 was in an answer that I gave to the
18 question. So based upon that, I don't
19 know how I could answer your question.

20 BY MR. HANLEY:

21 Q Is that to say that you don't
22 know the answer to that, you don't know --
23 you would not be able to define or
24 distinguish for us the difference between
25 "attributable risk" and "relative risk" as

1 those concepts are understood in the field
2 of epidemiology; correct?

3 MS. KAHN: Same objection.

4 THE WITNESS: Could you
5 repeat what I said about attribute risks
6 so that --

7 BY MR. HANLEY:

8 Q No, sir, we're not reading
9 back your answers. My question is --

10 A We read back my answers
11 several times already.

12 Q Sir, we don't read back
13 testimony so that you can repeat something.
14 We don't need it, it's already written
15 down, sir.

16 My question is this: Are you
17 able to define and distinguish the concept
18 of "relative risk" from "attributable risk"
19 as those terms are understood in the field
20 of epidemiology?

21 MS. KAHN: Objection. The
22 question is argumentative, it's
23 harassing, it's asked and answered, and
24 if the witness wants to read back
25 testimony to refresh his recollection or

1 refresh anything as you have asked him to
2 do so many times, it's perfectly okay.

3 THE WITNESS: I think that
4 based upon what I've said before, as I
5 mentioned before, I'm not familiar with
6 the term "attributable risk", so it would
7 be difficult for me to try to speculate
8 how that is different from "relative
9 risk."

10 BY MR. HANLEY:

11 Q So your answer to the question
12 is you are not able to do that; correct?

13 A I think I just answered that
14 question. I said I am not familiar with
15 the term -- the terminology, the specific
16 terminology sitting here. I may have read
17 it in the past, but right now in terms of
18 what you mean by attributable risk, I'm not
19 able to differentiate that from relative
20 risk.

21 Q All right. My last question
22 now on this article, and that is, do you
23 agree or disagree that in this 1997 Hayes
24 study that the risk for non-Hodgkin's
25 lymphoma increased?

1 Would you agree that in this
2 1997 Hayes study the authors found that the
3 increased risk for non-Hodgkin's lymphoma
4 was strongest among workers who had a
5 distant exposure to the benzene?

6 MS. KAHN: Objection. As
7 framed the question is vague and
8 ambiguous and undefined.

9 BY MR. HANLEY:

10 Q Distant as in equal to or
11 greater than ten years?

12 MS. KAHN: It's also overly
13 broad.

14 THE WITNESS: I don't think
15 that based upon the data that I see in
16 this paper that one can conclude that.

17 BY MR. HANLEY:

18 Q So you disagree with their
19 conclusion on their point?

20 A No, I am disagreeing with your
21 statement just now. We've already
22 discussed, at length, what they have said
23 in the paper.

24 Q Well, you agree that that is
25 what they said in this last sentence we

1 read, and -- but you disagree with what
2 they say; is that correct?

3 A That's not the question you
4 asked me. You asked me what my opinion was
5 about the issue of duration of exposure,
6 and I answered it. I said, what I see in
7 this data -- we've already gone over this,
8 you know, for 10 or 15 minutes about what
9 they said and whether or not I agreed or
10 disagreed with it. But you asked me my
11 opinion. And my opinion is, based upon the
12 information that I see in this paper in
13 table 2, I don't think one can necessarily
14 conclude that the break off point -- you
15 can make a break off point of ten years.

16 Q And my point is, sir, that
17 they said that it did, and you disagree
18 with that; correct?

19 MS. KAHN: Objection as to
20 what they said. The article speaks for
21 itself.

22 THE WITNESS: I've answered
23 this question so many times already, and
24 I'm afraid that each time you're asking
25 me to answer it, you're going to make

1 something out of a slight difference in
2 which I've answered it.

3 All I can tell you is what I
4 have said in the past. I've answered
5 that question. And I just answered your
6 question about what my interpretation of
7 the data is.

8 BY MR. HANLEY:

9 Q Sir, this is relatively
10 straight forward, I'm not trying to trick
11 you or anything like that.

12 A Then why do you keep on asking
13 me the same question over and over and over
14 again?

15 Q Because I'm not getting an
16 answer.

17 A Yes, you did.

18 Q You're not answering my
19 question, sir. And if you answer my
20 question, I can move on. But if you don't
21 answer my question, I will not move on
22 until I get an answer.

23 A You asked me --

24 Q I am asking you yes or no
25 questions. So, you can give all the

1 explanation you want, but I'm entitled to a
2 yes or no or I don't know, but I'm entitled
3 to straight answer.

4 My question is, is a straight
5 question: Do not the authors of this
6 article find that the risk of non-Hodgkin's
7 lymphoma not only increases with the
8 duration of exposure to benzene but was
9 strongest among the workers who had a
10 distant exposure to that, and that's what
11 they find, and you disagree with that; is
12 that right?

13 MS. KAHN: Objection. The
14 article speaks for itself. The question
15 has been asked and answered. If you
16 don't like the witness' answer, that's
17 not his fault. It's a compound overbroad
18 question and it has been asked and
19 answered.

20 THE WITNESS: If you want to
21 point to a specific statement that they
22 are making in this paper, I will comment
23 on it, because you're asking me if I
24 agree with something that they have said.
25 If you point to the statement, then we'll

1 talk about it.

2 BY MR. HANLEY:

3 Q That's fine. You recall that
4 we read the sentence, the last sentence
5 that we read in this Discussion section
6 that says, "The risk for non-Hodgkin's
7 lymphoma increased with duration of
8 exposure and was strongest among workers
9 who had distant exposure greater to or
10 equal to ten years."

11 Do you recall that we've been
12 discussing this sentence; correct?

13 A Absolutely. That's what I've
14 talked about and I thought I answered your
15 question about that.

16 Q Now, we talked at some length
17 about the first statement that they make.
18 And now I'm asking you in that sentence,
19 and I'm asking you now about the second
20 statement that they make. That the
21 association was strongest, or the increased
22 risk, was strongest among workers who had a
23 distant exposure.

24 A Which statement are we talking
25 about?

1 Q The second half of the
2 sentence, the part that says that the
3 increase was -- there was -- excuse me,
4 that the risk was strongest among workers
5 who had distance exposure.

6 A Which page are you on?

7 Q 1068.

8 A Okay. What line now?

9 Q The first full sentence of the
10 last column of the page --

11 A Yes.

12 Q -- where they state risk for
13 NHL increased with duration of exposure and
14 was strongest among workers who had distant
15 greater to or equal to ten years exposure.

16 My question is: Do you agree
17 or disagree with their statement that risk
18 was strongest among workers who had distant
19 exposure?

20 MS. KAHN: Objection. Asked
21 and answered.

22 THE WITNESS: The relative
23 risk as they found here in their table,
24 and I think -- didn't we go through this
25 with the yes and then the whole answer

1 thereafter?

2 BY MR. HANLEY:

3 Q No, sir. Because that was as
4 to the first half of the sentence and the
5 first statement that they make that the
6 risk increases with duration of exposure.
7 I'm now asking you about the second half
8 the sentence where they state that the risk
9 was strongest among workers who had distant
10 exposure. Because it's a compound
11 sentence, makes two statements, so that's
12 what I'm asking you about now.

13 A Well, I think I mentioned the
14 fact that -- I don't know that you can
15 really say that you can differentiate
16 between ten years versus five years in
17 this. And because they didn't do a
18 statistical analysis between those two, the
19 five to nine to greater than 10, so I don't
20 think one can conclude that necessarily
21 from this paper.

22 Q So then your answer is you
23 disagree with their statement on that
24 point; is that right?

25 A Well, to a certain extent. I

1 mean I think that the data is a little bit
2 greater for the greater than 10, but I'm
3 not really sure that the difference between
4 the five to nine to the greater than 10
5 would be a statistically significant
6 result.

7 Q Okay. Thank you. Now, this
8 study was funded by The National Cancer
9 Institute; correct?

10 A Are we -- I thought that was
11 the last question.

12 Q I want to finish on this, but
13 then I'm done with this area.

14 MS. KAHN: I'm going to
15 object that the question calls for
16 speculation as to who funded the study.

17 THE WITNESS: I don't think
18 they really say who funded the study in
19 here.

20 BY MR. HANLEY:

21 Q So you don't know?

22 A I don't see it in the paper so
23 I don't know.

24 MR. HANLEY: Okay. Let's go
25 off the record.

1 (A discussion was held off the record.)

2 (Thereupon, there was a luncheon recess.)

3 BY MR. HANLEY:

4 Q Sir, could you describe for me
5 all the things that you believe are
6 established risk factors for the
7 development of non-Hodgkin's lymphoma?

8 MS. KAHN: I'm going to
9 object that this was already covered in
10 the first section of Dr. Whysner's
11 deposition.

12 BY MR. HANLEY:

13 Q I'm talking about things that
14 you believe are more likely than not basis
15 to a reasonable degree of medical and
16 scientific certainty are in fact, based on
17 your understanding of the literature,
18 established risk factors?

19 A Certain hereditary
20 immuno-deficiency syndromes that have been
21 described in the literature; certain viral
22 infections; and drugs that induce
23 immuno-deficiency, for example, drugs that
24 are used in -- after somebody has had a
25 kidney transplant in order to prevent the

1 rejection of the graft. Those are the
2 ones, the main ones any way, that I believe
3 have been found to be causally related to
4 the development of non-Hodgkin's lymphoma.

5 Q And is it correct that none of
6 those apply to Mr. Molina?

7 A As far as we know, that's
8 true, yes.

9 Q And it's your opinion that
10 Mr. Molina has no particular risk factors
11 that are established to a degree of
12 certainty for non-Hodgkin's lymphoma in his
13 history?

14 MS. KAHN: Objection, to the
15 extent that this has been asked and
16 answered and was covered in the first
17 session of the deposition.

18 THE WITNESS: Well, I think I
19 mentioned the fact that he has things
20 that have been studied in the literature,
21 and I don't know if some people have
22 considered them to be risk factors or
23 established risk factors, but -- and
24 those would be the obesity and the
25 smoking history and the worker as an

1 agricultural worker. And we talked about
2 this before, I don't I think that -- I
3 think that there is evidence both ways
4 about those particular issues and I don't
5 think that in sum, from my opinion at
6 least, one can truly say that there's a
7 causal relationship.

8 Oh, the other one I forgot to
9 mention was the use of non-steroidal
10 antiinflammatory drugs. But the -- none
11 of those, at least from my read of the
12 literature, can truly be say -- you can
13 conclude to a reasonable degree of
14 medical and scientific probability that
15 they are causally related.

16 BY MR. HANLEY:

17 Q Do you recall that when we
18 were speaking on April 1st that I asked you
19 to establish with your office the precise
20 number of hours that you had billed for
21 this case?

22 A Yes.

23 Q And did you find that out?

24 A Yes.

25 Q And what was it?

1 A Seventy-two and a half.

2 Q And the work that you've done
3 since that day is on top of that; correct?

4 A Yes.

5 Q And how many additional hours
6 would you estimate since then?

7 A I couldn't say. Probably 10
8 to 20, maybe more, but I haven't really sat
9 down and calculated my work to figure
10 everything out for the last month yet.

11 Q Okay. So your -- is it fair
12 to say then that the hours that you have in
13 the case is somewhere between 90 and 100
14 plus in total hours?

15 A Well, I think you asked me
16 before, and you said above 80. It's
17 certainly above 80. Above 90, it may be.
18 I don't know about above 100.

19 Q Now, before you started any
20 investigation in this case, you were
21 already of the opinion that benzene does
22 not cause non-Hodgkin's lymphoma; correct?

23 A Well, I think, as I said when
24 I was retained in this case, which would
25 have been in April, I had already worked on

1 several cases and at least at that time,
2 pending the fact that I relooked at the
3 information that was available, I had
4 concluded that non-Hodgkin's lymphoma was
5 not associated with benzene exposure.

6 Now, the issue of solvents was
7 one that it had been longer because the --
8 I think the previous cases all were pretty
9 much restricted to benzene exposure. So
10 the issue of solvent exposure, other
11 solvents, I hadn't reviewed that literature
12 maybe for a couple of years, I can't
13 remember exactly. And that's an, in some
14 sense that's a larger literature than the
15 benzene literature.

16 Q Is that why you needed to take
17 so much time to review the literature and
18 the materials in this case because of the
19 expanded question of whether his general
20 exposure to petrochemical hydrocarbon
21 solvents could be considered a cause?

22 MS. KAHN: Objection. Vague
23 and ambiguous and argumentative.

24 THE WITNESS: Well, I looked
25 at -- I certainly looked at the solvents

1 as far as I could figure out that are
2 involved in this case, and I would say
3 that a lot of the work that I did had to
4 do with reading Mr. Molina's deposition,
5 co-worker depositions, looking at all of
6 the MSDSes. There were also depositions
7 from toxicologists for the various
8 defendants. There was an awful lot of --
9 I have several boxes full of notebooks,
10 and so I don't know that I could
11 characterize the need for the time as
12 being mostly due to the question of
13 solvents and benzene exposure because
14 there are medical records -- and I forgot
15 about the medical records. Just a lot of
16 information that is just specific to this
17 case that had to be reviewed.

18 BY MR. HANLEY:

19 Q Okay. One of the things that
20 I'm getting at is trying to understand why
21 you would need to put in so much time to
22 the case if you start off already having
23 the opinion that benzene or exposure to
24 solvents in that facility, in that Salinas
25 Firestone plant that you so familiar with

1 from working on other cases, what is it
2 that you then needed to spend all that time
3 doing and why would you need to do it?
4 What difference would it make what his
5 co-workers had to say, what Mr. Molina had
6 to say about his exposure or what his
7 medical records would show if you already,
8 you know that what he has is non-Hodgkin's
9 lymphoma, if that -- isn't that enough?
10 Don't you know enough there already, he
11 worked at that plant and he had
12 non-Hodgkin's lymphoma, you wouldn't really
13 need to know much else, would you?

14 A Well, I think that it's
15 important to be as familiar as I can with
16 the circumstances of his work environment.

17 The issue of alternative
18 causes, which we just talked about briefly
19 a few minutes ago, again, that is another
20 area which I think I previously testified
21 to the fact that it's a rapidly evolving
22 literature. And some of those other risk
23 factors that we have talked about, the
24 smoking, the potential risk factors I
25 should say, the smoking, and the obesity,

1 and non-steroidal antiinflammatory drugs.
2 There is a lot of literature coming out on
3 those. So I had to re-review that
4 literature to see if any of those had
5 reached the level of causal association in
6 terms of Mr. Molina's medical records.

7 And I just feel that it's
8 necessary for me to be familiar with the
9 medical records in any case that I'm
10 involved in because I might be asked to
11 describe his medical conditions, and I feel
12 like I should be prepared to do that.

13 Q Is it fair to say that the
14 reason it's important for you to be
15 familiar with the particulars of the case
16 is specifically to be prepared for your
17 trial testimony?

18 A Trial and deposition, and as I
19 said before, if there were any of these
20 other risk factors that reached the level
21 the causal relationships, I would be able
22 to talk about that. And, obviously before
23 I started out reviewing the medical
24 records, I really wasn't sure that he
25 didn't have some of those conditions which

1 I -- which we discussed which are clearly
2 causally related to non-Hodgkin's lymphoma.

3 Q What do you consider to be the
4 most important studies supporting your
5 conclusion in this case that his cancer is
6 not caused by benzene and exposure to other
7 petrochemical hydrocarbon solvents, but,
8 rather, is idiopathic?

9 MS. KAHN: Objection. Vague
10 and ambiguous with respect to the word
11 "important."

12 THE WITNESS: Well, I think
13 the literature has to be seen in its
14 totality. I mean with the Bradford Hill
15 elements of looking at epidemiology
16 studies, for example, one needs to look
17 for consistency.

18 And you know, I think that
19 it's fair to say that there is -- which
20 we spent a lot of time talking about the
21 Chinese study, and earlier there are
22 several studies that don't find any
23 indication or clear indications of any
24 kind of associations even with
25 non-Hodgkin's lymphoma.

1 We discussed the reasons why I
2 thought that one study was not -- could
3 be attributed to other chemical
4 exposures, but I don't think there's any
5 one study.

6 I think the Rinsky study is
7 probably the most convincing. The one
8 that Dr. Infante started out back when he
9 first published in 1977, they hadn't
10 published any information on
11 non-Hodgkin's lymphoma, nor in '87, I
12 don't believe. But it was in 2002 when
13 they published the non-Hodgkin's lymphoma
14 results, and, you know, that is a study
15 that has good dose reconstruction data
16 and is clearly people who have been
17 highly exposed to benzene, and that's the
18 study that's used by the Environmental
19 Protection Agency to come up with use and
20 risk assessment for the purposes of
21 addressing the risk of benzene as it
22 relates to acute myelogenous leukemia.
23 So I think that the Rinsky study of the
24 cohort analyses is probably the strongest
25 study.

1 In terms of the case-control
2 studies, there are 30-some of them.
3 It's, you know, there are handful of them
4 that show some kind of association with
5 benzene, and the rest of them are in the
6 other side of the balance of not finding
7 association. So, that literature with
8 the case-control studies I think has to
9 be treated -- I wouldn't say that any one
10 particular study there stands out as a
11 lot stronger than the other studies.

12 BY MR. HANLEY:

13 Q When you read the videotaped
14 trial testimony of Dr. Bennett, was there
15 anything in particular that stood out as
16 something that you disagreed with?

17 A Dr. Bennett?

18 Q Yes.

19 A I can't really recall
20 anything. I was mostly looking for, you
21 know, the types of information that you
22 might be looking for. But I -- it would be
23 hard for me to -- hard for me to -- I don't
24 recall anything that I disagreed with. But
25 that's not to say that there might not be

1 something but I just, I can't right now
2 remember anything.

3 Q The Bradford Hill criteria
4 that you referred to is a criteria for
5 causal inference. Under epidemiologic
6 guidelines, in other words it's an
7 epidemiologic criteria; correct?

8 A Again, I don't want to use the
9 word "criteria." Actually, Bradford Hill
10 specifically warned against using his type
11 of analysis as criteria. Bradford Hill,
12 this was my understanding was that he
13 developed these -- this way of analyzing
14 the literature primarily with regard to
15 looking at smoking and lung cancer. And so
16 it also has, I think has to be seen a
17 little bit historically in the context of
18 that particular endeavor. But he did talk
19 about also, you know, the Percival Pott,
20 the chimney sweep work and so forth.

21 Q Those factors for
22 consideration laid out by the Bradford Hill
23 investigators is an epidemiologic -- those
24 are epidemiologic conclusions and involved
25 the use and deployment of epidemiology;

1 correct?

2 A Well, I think it's a little
3 broader than that. First of all, it's a
4 way of looking at a number of studies and
5 it also includes -- I think we discussed
6 this again at some length the other day --
7 the issue of -- when you asked me about
8 biological plausibility, and there are
9 other elements that basically say from a
10 biological standpoint is this -- is what
11 we're talking about, sort of coherent with
12 what we understand biologically about the
13 process. And the development of the --
14 development of the disease. And I think
15 sort of sums it up because it says the
16 environment and disease association or
17 causation. And I think the primary purpose
18 of this, because some, you know, studies,
19 they are always -- well, there are often
20 studies that show some association between
21 a particular environmental exposure and a
22 disease. And the question is, is that --
23 is that an association that is not causal
24 or is that a causal association. Because
25 there are a lot of reasons that

1 associations can be there for -- for
2 reasons other than an actual causal
3 association.

4 Q That's an epidemiologic
5 question; correct?

6 A Well, not entirely. I mean,
7 for example, it helps to understand
8 something of the biology.

9 In one of the studies that I
10 was involved with at Washington
11 Occupational Health, although I became
12 involved with them after this study was
13 published, but I wound up doing medical
14 surveillance on the same group of workers.
15 A finding of triglycerides was associated
16 with PCB levels. And it was pretty clear
17 that that was an association, but the fact
18 of the matter is that the -- in the
19 original interpretation was that people had
20 higher PCB levels had an elevation in their
21 triglyceride levels. Well, it turns out
22 that the reason for that was actually that
23 the fact that people who had more fat in
24 their blood tend to partition fat soluble
25 chemicals into the blood more compared to

1 the fat tissue. So instead of the PCBs
2 causing the elevated triglycerides, people
3 began to realize it was the elevated
4 triglycerides that was causing the higher
5 level of PCBs in the blood.

6 So there's an example of an
7 association that was found
8 epidemiologically but I would say that the
9 explanation for it not being a causal
10 association was not based upon epidemiology
11 but was based upon what we know about the
12 biology of the system.

13 Q Would you agree that the issue
14 of whether or not benzene or other
15 petrochemical hydrocarbon solvents caused
16 non-Hodgkin's lymphoma is an
17 epidemiological one?

18 A Well, ultimately I think it
19 has to be proven or disproven based upon
20 epidemiological data. I think that there's
21 also an underlying understanding that
22 non-Hodgkin's lymphoma is a separate
23 disease from acute myelogenous leukemia.
24 So I think that both of those elements are
25 important to realize that we have to know

1 whether or not benzene is causally related
2 to non-Hodgkin's lymphoma based upon
3 studies that have specifically looked at
4 non-Hodgkin's lymphoma, and that goes
5 beyond epidemiology. That has to do with
6 our understanding of those diseases. And I
7 think we discussed that again at some
8 length the other day.

9 Q I want to be clear on your
10 opinion that this cancer that Mr. Molina
11 has is idiopathic.

12 Are you saying that -- is it
13 your opinion that the cause of his cancer
14 is unknown but there is some cause or are
15 you saying that there is no cause of his
16 cancer?

17 A Well, I guess I hate to
18 quibble, but I think the problem is in the
19 word "cause." Cause seems to imply
20 something happening from outside of the
21 normal process of what happens in living
22 cells.

23 And, you know, one of the
24 theories, for example, and this is not one
25 that I'm -- I have put forward, but other

1 people have put forward, has to do with the
2 cause of acute myelogenous leukemia being
3 oxidative DNA damage. And we talked about
4 that earlier today.

5 Now, the fact that oxygen is
6 normally in the body, that it's a part of
7 our normal processes, and if oxidative
8 damage was -- did cause a genetic
9 alteration -- sorry, we're being disturbed
10 a little bit people outdoors.

11 But the fact that oxidative
12 DNA damage may be involved, oxidative DNA
13 damage is an ongoing process, it's normal
14 in the body, it happens to all cells, and
15 it's normally protected against, so, if
16 that would be the reason for his
17 non-Hodgkin's lymphoma, and I'm not saying
18 that happens through benzene exposure, it
19 would be hard for me to say whether there
20 is no cause or there is a cause, because of
21 the fact that that's a normal sort of
22 process.

23 Also, we discussed the fact
24 that just cell replication, which happens
25 all the time in the body, there are errors.

1 And the fact that if there's an abnormal
2 form of DNA developed through this process,
3 which is a, you know, that comes about as
4 close to spontaneous or without a cause as
5 I can think of. But is the genetic
6 alteration that happens because of the lack
7 of proper replication of the DNA, that
8 could be considered a cause. And I guess
9 I'm -- the difficulty here is with the word
10 "cause" I think.

11 There are things that happen
12 just because of the normal replication and
13 environment of cells that don't have
14 anything to do with what we would consider
15 to be sort of unusual exposures to
16 anything. And I think those -- that may be
17 the best explanation for his non-Hodgkin's
18 lymphoma.

19 Q Do you have an opinion as to
20 whether or not his non-Hodgkin's lymphoma
21 was caused or contributed to by any
22 environmental exposure, environmental toxic
23 exposure?

24 A You mean -- are you talking
25 about benzene and the solvents or are you

1 talking about anything else?

2 Q I'm talking about whether it
3 was caused by anything else, anything at
4 all.

5 A Well. All I can say is there
6 is no indication that there is anything
7 else, that, you know, as I mentioned,
8 the -- I mean if there were in the next
9 five years, if there were a whole bunch of
10 studies that said that smoking was
11 associated with it, we might have a
12 different conversation. But sitting here
13 today, I don't see anything that has been
14 identified in his environment that could
15 have caused his non-Hodgkin's lymphoma.

16 Q What is your understanding of
17 the development of the state of the art or
18 the historical knowledge regarding benzene
19 causing cancers of the blood forming
20 organs?

21 MS. KAHN: I object, only to
22 the extent that this might be outside the
23 scope of the witness' expert witness
24 designation.

25 MR. HANLEY: Well, tell me if

1 you're not calling him to ask any of
2 those questions and I won't have to ask
3 them myself. If you're not calling him
4 regarding the historical state of the
5 art, who knew what when about --

6 MS. KAHN: I would ask, on
7 the assumption that we will ask him, to
8 express opinions on that.

9 THE WITNESS: Well, my --
10 this is really related to the development
11 of leukemia and specifically acute
12 myelogenous leukemia. I think that
13 the -- I think I have in my files, for
14 example, a document from the National
15 Institute of Occupational Safety and
16 Health, and I think that this probably
17 represents the state of the art or the
18 understanding of whether or not benzene
19 could truly be said to cause, well, at
20 that time it was leukemia because they
21 weren't differentiating necessarily among
22 different subtypes of leukemia in
23 studies, but I think Infante's study was
24 the study that really established the
25 fact that benzene was associated with, at

1 least some form of leukemia, because the
2 total number of leukemias as they were
3 measuring them in that study went up
4 which later was found to be acute
5 myelogenous leukemia.

6 But in 1974 NIOSH basically
7 said that -- I'm trying to find the
8 particular quote. But in this particular
9 document somewhere they say that the
10 information regarding benzene and
11 leukemia is -- hasn't really been
12 established conclusively. So I think
13 that's about the time frame, I think
14 somewhere between '74 and '76, '77, was
15 when there was -- people concluded that
16 it did cause a form of leukemia. And I
17 think that the IARC came out in 1982 with
18 the conclusion that benzene was a human
19 carcinogen and that AML was the neoplasm
20 which had been specifically identified.

21 BY MR. HANLEY:

22 Q What is your understanding of
23 when it was first reported anywhere in the
24 scientific literature that benzene may be a
25 cause of any kind of cancer?

1 A Well, I think there were
2 studies -- and I have a couple of these
3 here from -- in the 1939 studies, that at
4 least reported the effects of benzene, and
5 they discussed some cases of people who had
6 been exposed to benzene who also had
7 leukemia. The problem was, there wasn't
8 any kind of epidemiological study that
9 could say whether or not those findings of
10 leukemia and other diseases were at
11 increased amounts in that population or
12 whether it was coincidental that people who
13 were exposed to benzene, and there were a
14 lot of people exposed to benzene, also had
15 these diseases.

16 That's always the -- that's
17 always the problem with case reports or
18 clinical series like the ones that had
19 been -- had been available before the
20 Infante study.

21 Q When was the first
22 epidemiologic study associating benzene
23 with any form of cancer?

24 MS. KAHN: Objection. Over
25 broad, vague and ambiguous, asked and

1 answered.

2 THE WITNESS: Well, I would
3 say the one that was really well
4 conducted was Infante study.

5 Now, there was a study of
6 Turkish shoe workers earlier, but I don't
7 think -- I haven't seen any real
8 statistics as far as I know reported
9 until after that Infante report, although
10 the study was done ongoing and there were
11 earlier reports, but I don't believe that
12 there was any convincing statistically
13 significant data presented.

14 BY MR. HANLEY:

15 Q When was the first
16 epidemiologic study presented, what year?

17 A Well, that depends on what you
18 mean by "epidemiologic study." I mean, if
19 you include case reports -- are you
20 including case reports in epidemiologic
21 studies?

22 When I talk about
23 epidemiologic studies, I usually mean the
24 kind of study where either a case-control
25 study where you have statistics or a cohort

1 analysis where you have statistics. I
2 guess there are even descriptive studies
3 where you -- statistics where you compare
4 different population groups. I don't
5 consider things like case reports and
6 clinical series, in other words a doctor or
7 a group of doctors say, We have a group of
8 patients that we've seen who have leukemia
9 and they have been exposed to benzene, but
10 there is no statistical analysis presented.
11 I guess I usually don't think of those in
12 terms of being epidemiological studies.
13 So, again, with that in mind, I would say
14 that the -- there was some indication that
15 there was work being done among the Turkish
16 shoe workers, but the first real
17 publication was the Infante study.

18 Q When was the epidemiologic
19 study on the Turkish shoe workers first
20 published?

21 A You know, I don't have that
22 information with me because it's not
23 something that I usually rely upon. And I
24 think it was -- I think there were some
25 earlier publications before 1987, but I

1 couldn't give you the exact details of
2 those.

3 MS. KAHN: I'm sorry,
4 Dr. Whysner, what year did you say? The
5 phone cut out.

6 THE WITNESS: I didn't mean
7 '87, sorry. Whatever I said with Infante
8 should be 1977.

9 BY MR. HANLEY:

10 Q And when was the Turkish shoe
11 study done, approximately, as best you can
12 recall?

13 MS. KAHN: Objection, asked
14 and answered.

15 THE WITNESS: I could
16 actually -- they probably talk about that
17 in that ATSDR talks profile. I can look
18 that up for you if you want me to.

19 BY MR. HANLEY:

20 Q I'm just asking your best
21 recollection of when it was?

22 MS. KAHN: Calls for
23 speculation.

24 THE WITNESS: As I said, in
25 terms of epidemiological evaluation,

1 meaning statistical analysis, I think it
2 was after 1977. But the study was
3 ongoing and there were reports, but,
4 again, I believe that prior to 1977 they
5 were just reported as a clinical series.
6 In other words, there was no -- at least
7 that's my best recollection is that there
8 was no statistical analysis to show that
9 the amount of various diseases was any
10 greater in that group than one would
11 expect in the general population.

12 BY MR. HANLEY:

13 Q Is 1939 the first reference in
14 the published literature that you can find
15 to benzene being a potential carcinogen?

16 MS. KAHN: Objection. Over
17 broad, vague and ambiguous.

18 THE WITNESS: Well, as I
19 said, the 1939 paper has described
20 certain cases of leukemia among people
21 who had been exposed. I don't know that
22 there was any -- I can't remember exactly
23 what the authors concluded from the
24 study.

25 I believe there was some

1 earlier case reports going back. I think
2 there was one even before the turn of the
3 century that had talked about this, but
4 it might have been just a single case, I
5 can't remember exactly.

6 BY MR. HANLEY:

7 Q Between 1939 and 1997, how
8 many references in a scientific published
9 literature were there to benzene being a
10 potential carcinogen in case reports and
11 the like?

12 MS. KAHN: The question is
13 overbroad, compound. It's vague and
14 ambiguous.

15 THE WITNESS: I don't know
16 that I can give you a number.

17 BY MR. HANLEY:

18 Q Could you give me something
19 approximate?

20 MS. KAHN: Objection. Calls
21 for speculation.

22 THE WITNESS: You're
23 including case-control studies in that,
24 too, I presume?

25 BY MR. HANLEY:

1 Q Well, I'm talking about from
2 1939 until the first case-control study was
3 published.

4 MS. KAHN: The question calls
5 for speculation in light of the witness'
6 testimony.

7 BY MR. HANLEY:

8 Q Can you tell me when the first
9 case-control study was published?

10 A Again, a number of questions
11 going. The first question was from 1939 to
12 1997.

13 Q No, 1977. Let me start over.

14 A I think you said '97, that's
15 why I was --

16 Q Let me start over.

17 Can you tell me when the very
18 first case-control study relating to
19 benzene as a potential carcinogen was
20 published?

21 MS. KAHN: I object. The
22 question is vague and ambiguous.

23 (Witness perusing documents.)

24 BY MR. HANLEY:

25 Q Are you doing something to

1 evaluate --

2 A Yes, I am looking through my
3 file here, case-control studies, to tell
4 you which one is the earliest date.

5 Q Well, do you know whether or
6 not it predates the 1977 Infante article?

7 A Well, one of my -- I got 1988.
8 That's -- I don't see any that I have that
9 predate the Infante article.

10 Q Do you know whether or not any
11 epidemiologic study regarding benzene as a
12 potential cause of cancer was published
13 before Infante's 1977, do you know whether
14 or not that's the case?

15 MS. KAHN: Can, I please hear
16 the question again.

17 (The requested portion was read.)

18 Q Let me start over. Do you
19 know of any epidemiologic study of any kind
20 that was related to the issue of whether or
21 not benzene was a cause of cancer that was
22 published before Infante's 1977 article, do
23 you know whether or not any such study was
24 published?

25 MS. KAHN: Objection.

1 Compound, overbroad, vague and ambiguous.

2 BY MR. HANLEY:

3 Q As you sit here today, sir,
4 and you are looking through your
5 materials --

6 A Well, I'm looking through this
7 NIOSH 1974 document because I figured that
8 they would have reviewed studies, and I
9 might be able to answer your question at
10 least up to 1974. Okay, epidemiologic
11 studies.

12 (Witness perusing documents.)

13 Q I note that we've been waiting
14 some number of minutes for you to look
15 through your materials. Is it fair to say,
16 sir, that without looking back at the
17 literature, you don't know whether or not
18 there were any such epidemiological
19 studies?

20 A Well, again, as I mentioned
21 before, when I think of epidemiologic
22 studies, I'm thinking about studies that I
23 have actual statistical analyses, and it's
24 my recollection that there weren't any
25 studies before 1977 that were able to say

1 that with any kind of reasonable -- what I
2 would consider to be reasonable degree of
3 medical and scientific probability, i.e.,
4 statistical analysis, that there was a
5 association between benzene exposure, and
6 in this case it was all leukemias. And
7 that's the earliest study that I can
8 recollect that which I would call a true
9 epidemiologic study as opposed to that '77.
10 As opposed to case reports or just
11 discussions of clinical findings among
12 people exposed to benzene.

13 Q Okay. I would --

14 MS. KAHN: For the record, I
15 just want to state the witness was
16 looking through his materials for less
17 than a minute according to my watch, and
18 if there are materials that he wants to
19 look at in order to give a complete
20 answer of a question, I'm going to advise
21 him he has a right to do that.

22 BY MR. HANLEY:

23 Q Sir, my question was a little
24 broader than that. It was whether or not
25 there were any case control or any type of

1 epidemiologic investigation into the issue
2 of whether or not benzene was a carcinogen,
3 regardless of whether you find them
4 persuasive or conclusive or determinative
5 on the issue. Were there any such
6 publications in the scientific literature
7 prior to 1977, if you know, as you sit here
8 today, without taking any great length of
9 time to investigate it as you sit here.

10 MS. KAHN: Objection.

11 Argumentative, asked and answered,
12 overbroad, vague and ambiguous.

13 THE WITNESS: What's a great
14 amount of time?

15 BY MR. HANLEY:

16 Q Do you know the answer to the
17 question as you sit here right now?

18 MS. KAHN: Objection.

19 Argumentative.

20 (Witness perusing documents.)

21 THE WITNESS: Well, you
22 talked about, I mean, you mentioned --
23 I'm trying to get the wording down of
24 what you wanted. You said case control,
25 which is a certain kind of study I guess

1 you know. That would be a study of
2 people who have leukemia.

3 BY MR. HANLEY:

4 Q Yes, sir, or any other kind of
5 epidemiologic investigation. Do you know
6 of any sort of epidemiologic investigation
7 published in the scientific literature
8 relating to the issue of benzene as a
9 carcinogen prior to 1977?

10 MS. KAHN: Please, don't

11 interrupt the witness' answer. Your new
12 question is argumentative.

13 Argumentative, overbroad, compound, vague
14 and ambiguous.

15 BY MR. HANLEY:

16 Q Go ahead, sir, if you please.

17 A Okay. So we discussed those
18 article from 1939. So would you include --
19 I have to ask you the question, would you
20 include -- is that within your meaning of
21 epidemiological studies, because I have
22 given those to you previously?

23 Q Do you consider the 1939
24 article an epidemiologic study?

25 A I think it's a case -- a

1 series of cases. I would not consider it
2 to be what I -- I think that there -- I
3 mean what I consider to be an
4 epidemiological study would be one that
5 includes statistical analysis. I think I
6 already defined that a couple of times.

7 Q Is there any study in a
8 scientific literature published prior to
9 1977 you that would consider to be an
10 epidemiologic investigation into the issue
11 of benzene as a carcinogen?

12 A If you include case studies
13 and clinical series as within the rubric of
14 epidemiologic studies, then there are
15 studies, and I have mentioned a couple of
16 those.

17 Q Are those -- my question was
18 anything that you considered to be an
19 epidemiologic study. Are you saying that
20 you consider those to be epidemiologic
21 studies?

22 MS. KAHN: Objection.

23 Argumentative. Mischaracterizes the
24 witness' testimony. The question is
25 asked and answered.

1 MR. HANLEY: It's asked.

2 It's not answered.

3 THE WITNESS: I don't think I
4 can answer it any better than.

5 BY MR. HANLEY:

6 Q Sir, do you consider those --
7 is there any study in the scientific
8 published literature prior to 1977 that
9 you, sir, Dr. Whysner, consider to be an
10 epidemiologic investigation into the issue
11 of whether or not benzene causes any form
12 of cancer?

13 MS. KAHN: Objection.

14 Argumentative, asked and answered, vague
15 and ambiguous.

16 THE WITNESS: All I can say
17 is this, is that if we consider -- and
18 I'm just trying to define the term
19 epidemiologic right now.

20 Q I've asked, sir --

21 A You said --

22 Q I asked what you considered to
23 be an epidemiologic study, sir. You
24 defined it, what you considered to be an
25 epidemiologic study, and then you tell me

1 if you consider there to be any such study
2 before 1977, an epidemiologic investigation
3 regardless of whether it was determinative,
4 regardless of whether you feel it compels a
5 particular result or not. Was there any
6 such investigation made, published in the
7 literature before 1977, it's a simple
8 question?

9 MS. KAHN: Argumentative,
10 asked and answered, vague and ambiguous.

11 THE WITNESS: Can I define
12 what I'm calling an epidemiologic
13 study --

14 BY MR. HANLEY:

15 Q Please.

16 A -- as part of the answer which
17 I think I have done.

18 See, my -- the way I define an
19 epidemiologic study is one that includes a
20 statistical analysis. And the Infante
21 study is the first one that I have seen
22 that includes a statistical analysis.

23 Q All right. Thank you.

24 Now, how many references in
25 the scientific literature of any other

1 sort, case studies -- excuse me, case
2 reports or series of cases or any other
3 references in the literature are there,
4 approximately, to your best recollection as
5 you sit here today, prior to 1977?

6 A Okay.

7 (Witness perusing documents.)

8 THE WITNESS: So there's a
9 study by Vigliani, V-I-G-L-I-A-N-I. And
10 then there's the Turkish study by Aksoy,
11 A-K-S-O-Y, published in 1974. There was
12 a case -- well, there's a case of benzene
13 poisoning. There were reports, as I
14 mentioned, by Vigliani, Goguel,
15 G-O-G-U-E-L. Mallory, M-A-L-L-O-R-Y,
16 1939. And we discussed the two other
17 1939 papers which I have in my file. And
18 those are the -- those are the ones that
19 I can -- ones that I can recall that
20 described people who had leukemia and
21 also had benzene exposure and I can
22 consider them neither case reports or
23 clinical series.

24 BY MR. HANLEY:

25 Q And is that all of them that

1 you're aware of in the scientific
2 literature?

3 A That's all I can recall right
4 now.

5 Q Thank you.

6 Now, what work have you done
7 in your professional career outside of
8 litigation where you have been called upon
9 to determine the cause of someone's cancer,
10 if at all?

11 A You mean in terms of clinical
12 practice?

13 I've never, you know, worked
14 as a -- as a clinician in the field of
15 cancer, if that's what you're getting at.

16 Q So is it fair to say, outside
17 of litigation, you have never been called
18 upon or involved yourself in the process of
19 trying to determine what any given
20 individual's cancer may or may not have
21 been caused by; is that correct?

22 A Well, probably there is some
23 instances when I was doing my pediatric --
24 you mean why it was caused rather than it
25 being diagnosed?

1 Q Correct. I'm not talking
2 about diagnosis and treatment, I'm talking
3 about etiology of someone's cancer.

4 A All my work in this area has
5 been as a researcher and not as a
6 practicing clinician. So in terms of
7 research, I've been involved in studies of
8 groups or in terms of, you know, doing
9 experimental research, but I haven't in
10 terms of causation, but I haven't in terms
11 of a clinical analysis, I haven't done
12 that.

13 Q So you haven't done it ever
14 with respect to an individual; is that
15 correct?

16 A You mean as a practicing
17 physician?

18 Q In any context outside of
19 litigation?

20 A Well, not that I can recall
21 right now, no.

22 Q All right. Now, as to
23 generally whether a particular toxin of any
24 sort is a cause or not a cause of any
25 particular kind of cancer, what work have

1 you done in that regard outside of the
2 context of consulting and litigation?

3 A Well, in terms of, you know,
4 some of my work had to do with various
5 chemicals which I've published in the
6 scientific literature that have to do
7 with -- I can give you an example.

8 The question came up of
9 whether phenol barbital caused liver cancer
10 in humans, and in that context I was not
11 only involved in doing experimental work in
12 animals looking at genetic -- possible
13 genetic damage caused by phenol barbital
14 but also involved in an epidemiology study
15 where we looked at whether or not people
16 who had been exposed to phenol barbital for
17 long periods of time -- they were being
18 treated as epileptics -- whether there was
19 an indication that there was a higher,
20 greater amount of liver cancer among those
21 individuals.

22 Paradoxically, we did find
23 that there was an increase in non-Hodgkin's
24 lymphoma among these people, but it's a
25 single finding and I don't know that I

1 would put a lot of -- we did find an
2 association, but I don't put a lot of
3 credence in that one association.

4 I've done a lot of work in a
5 chemical called acrylonitrile, which,
6 remember we were talking about oxidative
7 DNA damage which this chemical produces
8 brain tumors in rats, and it's pretty
9 potent in doing that. And we found that in
10 conjunction with the doses that produce
11 those brain tumors in rats, there was an
12 increase in oxidative DNA damage in the
13 brain of those rats, and, therefore, that
14 finding might be causally related to the
15 increase in somehow acrylonitrile enhanced
16 oxidative DNA damage in rats and,
17 therefore, produced drain tumors.

18 I've done work on PCBs. I've
19 done work in chlordane. I have done work
20 on an antioxidant that causes forestomach
21 tumors, but it's a widely used antioxidant
22 called butylated hydroxyanisole in terms of
23 forestomach tumor production in animals to
24 see if that was at all relevant in human
25 cancer.

1 And of course we talked about
2 my work which was -- it was a little bit of
3 an experimental work, but most of my work
4 on benzene had to do with looking at the
5 results of other people's genotoxicity test
6 to see whether or not they fit within a
7 certain framework of a mechanism that we
8 could say looked like it was responsible
9 for the genotoxicity of benzene. Actually,
10 benzene metabolites as we discussed.

11 Q You described six different
12 areas in which outside of litigation you
13 have been involved in work relating to the
14 determining whether or not a given chemical
15 is a cause of cancer, is that right, those
16 six areas?

17 A Well, I mean I don't know if
18 you include my work with the International
19 Agency for Research on Cancer where I was
20 involved in working groups that produced
21 the IARC monographs. And I was involved in
22 working on those for, I think we probably
23 did somewhere between 40 and 50 chemicals
24 to determine what -- whether or not they
25 were causative of human -- could be

1 predicted to be causative of human cancer.
2 But that was not my own experimental work.
3 That was, you know, that was participating
4 in the working groups.

5 Q In all of these areas that
6 you've described, the six areas that you
7 you've done experimental work, were
8 epidemiologists involved in any of that
9 work?

10 MS. KAHN: Objection. Vague
11 and ambiguous.

12 THE WITNESS: I'm trying to
13 remember. I mean obviously the one --
14 you're just talking about the
15 experimental work now?

16 BY MR. HANLEY:

17 Q You described the phenol
18 vitatal (sic).

19 A Phenol barbital.

20 Q Phenol barbital as the cause
21 of liver cancer. You discussed the
22 acrylonitrile, the PCBs, the chlordanes, the
23 antioxidant, the butylated something.

24 A BHA.

25 Q BHA. And the work relating to

1 the benzene metabolite. Did your work in
2 any of those areas involve the assistance
3 of any epidemiologists?

4 A Well, I say we published a
5 paper with Jorgen Olsen who is the head of
6 the Danish Cancer Registry, at least was, I
7 don't know if he is anymore. But that was
8 an epidemiology study on phenol barbital.

9 The -- I published papers with
10 epidemiologists regarding certain issues.
11 Well, this isn't of those six chemicals
12 that had the experimental work, although I
13 have to admit that a lot of my ideas for
14 doing the experimental work came out of the
15 results of epidemiology studies.

16 The work that I did -- I did
17 some other work where we looked at certain
18 issues. And in those cases I worked with
19 epidemiologists analyzing other chemicals,
20 additional chemicals. The one name that
21 comes to mind is Peter Boyle who is now the
22 head of the International Agency For the
23 Research on Cancer. Another name is an
24 epidemiologist named Demetrius
25 Tricolopolous who is at Harvard. And there

1 are probably others, but I just can't
2 recall right now, where I've worked with
3 them looking at epidemiological studies and
4 coming to conclusions regarding a
5 particular issue.

6 Q In these studies or
7 investigations where you have worked with
8 epidemiologists, what is the role that you
9 have performed in the investigation
10 compared to the role performed by the
11 epidemiologists that you were working with?

12 A Well, my primary role was --
13 my specialty is in the area of mechanism
14 and cancer mechanism in order to determine
15 whether or not findings in experimental
16 animals can be extrapolated to humans, and
17 that has to do with comparative analysis of
18 animal and biological systems. However,
19 often I get involved in doing analysis of
20 the epidemiology studies as well because,
21 for example, in the IARC working groups,
22 one of the four subgroups is the
23 epidemiology group. But even though they
24 come out with a recommendation regarding
25 certain findings, it's still up to a vote

1 of all the members of the working group in
2 terms of whether or not, for example,
3 something has been found to be a human
4 carcinogen. And in order to vote as a
5 member of the working group, you have to do
6 your own independent analysis of the
7 literature in order to figure out whether
8 or not that is indeed a human carcinogen.
9 So even though my primary role was as a
10 cancer mechanism person, I'm still required
11 to make a decision about epidemiology
12 studies as to whether or not they do show
13 that something is a human carcinogen.

14 Q And with respect to what sorts
15 or forms of cancer do you consider yourself
16 to be an expert in the mechanisms by which
17 those cancers develop?

18 A In general, you know, I would
19 say, yes, there's some cancers I'm more
20 familiar with than others.

21 Q Which forms of cancer do you
22 consider yourself to be the expert in the
23 mechanisms by which those cancers develop?

24 A Well, I'm certain we spent a
25 fair amount of time with acute myelogenous

1 leukemia and benzene, and, therefore, the
2 underlying mechanism by which benzene
3 produces those effects. I've looked
4 at issues of relating to benzene toxicity
5 in terms of, you know, the mechanism by
6 which it could produce aplastic anemia or
7 myelodysplastic syndrome. I think I'm --
8 so I'm generally familiar with
9 hematological and lymphatic cancers because
10 of that interest of mine.

11 I would consider myself an
12 expert in brain cancer development.

13 I've done work with breast
14 cancer development.

15 I've done work with
16 gastrointestinal development. And you
17 know, there are probably some others that I
18 can't recall right as we're sitting here
19 right now.

20 Q What work have you done
21 determining whether any given toxins are a
22 cause of cancers of any blood forming
23 organs?

24 A I think I just answered that
25 about benzene and acute myelogenous

1 leukemia.

2 Now, when you say, "work," I
3 guess you're talking about experimental
4 work or literature reviews or --

5 Q No. I'm talking about any
6 experimental work.

7 A Well, I did some work on
8 benzene and DNA binding in terms of benzene
9 mechanism.

10 Q Anything else?

11 A In terms of experimental
12 studies?

13 Q Any published research
14 relating to the issue of determining
15 whether any given toxins can cause cancers
16 of the blood forming organs?

17 MS. KAHN: Well, that's a
18 different question.

19 THE WITNESS: I've published
20 a fair number of papers. I'm probably
21 going to forget some. But, I think
22 benzene has been the one that I've looked
23 at the most.

24 BY MR. HANLEY:

25 Q Would you agree that

1 Mr. Molina was exposed to benzene and other
2 petrochemical hydrocarbon solvents in his
3 work at the Firestone Salinas plant both by
4 inhalation and dermal absorption?

5 MS. KAHN: Objection.

6 Overbroad, compound, vague and ambiguous.

7 THE WITNESS: I guess I don't
8 know the answer -- I mean I haven't
9 really looked into his exposure. I know
10 what other people have, in this case,
11 have -- have developed. And that was
12 a -- I'd have to look at the studies
13 right now, but I think it's somewhere
14 between four and 11 ppm years of exposure
15 to benzene. And I don't have any reason
16 to disagree with those, but I haven't
17 been asked specifically to look into that
18 issue.

19 BY MR. HANLEY:

20 Q I'm just asking you as a
21 general qualitative, and not necessarily a
22 quantitative analysis, but qualitatively,
23 having reviewed his deposition testimony,
24 his description of his work, his coworkers
25 testimony, the conclusions drawn by

1 Dr. Reiss and Dr. Nicas, would you agree
2 with me that that Mr. Molina was indeed
3 exposed to benzene and other petrochemical
4 hydrocarbon solvents in his work at the
5 Firestone Salina plant?

6 MS. KAHN: Objection. Overly
7 broad, compound, vague and ambiguous.

8 THE WITNESS: Well, I think
9 that there is indication that he was
10 exposed to benzene and these other
11 solvents, but how much, I really couldn't
12 say.

13 BY MR. HANLEY:

14 Q Now, you would agree that
15 smoking cigarettes can cause lung cancer?

16 A Yes.

17 Q And would you agree that it is
18 the totality of the chemicals in the
19 cigarette smoke that is properly associated
20 with an increased risk of lung cancer from
21 smoking as opposed to the individual
22 chemicals in the smoke?

23 MS. KAHN: Objection.
24 Overbroad, vague and ambiguous.

25 THE WITNESS: I think I

1 mentioned before that I don't think we
2 really understand which or how many of
3 the chemicals in cigarette smoking is
4 responsible for causing lung cancer. So
5 that would be a difficult question for me
6 to answer, and I've never really -- I
7 mean, like I said, I used to go to
8 seminars at the American Health
9 Foundation because there was a lot of
10 research going on to try to figure out
11 those answers, but I really don't have an
12 opinion about that right now.

13 BY MR. HANLEY:

14 Q Would you agree that it is the
15 totality of the chemicals in cigarette
16 smoke that are properly considered to be
17 the carcinogen rather than any of the
18 particular individual chemicals themselves?

19 MS. KAHN: Objection. Asked
20 and answered, lack of foundation, calls
21 for speculation. Beyond the scope of the
22 witness' assignment and beyond the scope
23 of his designation in the case.

24 THE WITNESS: Sorry, I just
25 don't know the answer to that. It's not

1 an area that I'm -- that I've really kept
2 up with the literature on.

3 BY MR. HANLEY:

4 Q Do you recall my questions of
5 Dr. Bennett relating to the Shell study
6 that he was involved with?

7 A I remember there being some
8 mention about it, but I don't remember your
9 questions.

10 Q Have you ever read that study
11 that was referred to?

12 A I don't recall.

13 Q Would you agree that if you
14 are comparing a would be exposure cohort to
15 a control group in an epidemiologic study
16 that it is important to have data relating
17 to the exposure that you are attempting to
18 evaluate?

19 MS. KAHN: Objection. It's
20 an incomplete hypothetical, the question
21 is overbroad, compound, vague and
22 ambiguous.

23 THE WITNESS: Sorry, do you
24 mean quantitative information or
25 qualitative information?

1 BY MR. HANLEY:

2 Q Either one.

3 MS. KAHN: Same objection.

4 THE WITNESS: Well, all I can
5 say is I think that -- I think that it's
6 probably better to have quantitative
7 information in terms of being able to
8 define the parameters of the study in
9 terms of what you're actually -- you're
10 actually looking at. But, you know, I
11 don't -- for example, we talked a bit
12 previously about some of these older --
13 some of the epidemiology studies, and,
14 for example, the original Infante study.
15 I don't think -- I'm looking at it right
16 now. I was looking to see whether or not
17 they had actually some quantitative
18 exposure information in that study. I
19 think they did have some information. I
20 don't know that it was -- and certainly
21 to they did dose reconstructions later in
22 terms of their study.

23 BY MR. HANLEY:

24 Q Are you done with your answer?

25 A I'm just looking here.

1 (Witness perusing document.)

2 I think that there are
3 certainly studies in the literature where
4 there hasn't been quantitative information
5 that -- some of these studies in my file
6 here on benzene exposures, these
7 case-control studies for example, I don't
8 think they have quantitative information
9 because they're just asking people whether
10 or not they have been exposed to benzene.
11 And yet, you know, there are plenty of
12 these studies published in the scientific
13 literature, so I don't think it's an
14 absolute requirement to publish an
15 epidemiology study.

16 Q Would you agree that it's
17 important to have in your would be exposure
18 group some information as to whether or not
19 the people were actually exposed to the
20 chemical being evaluated?

21 MS. KAHN: Objection.
22 Overbroad, vague and ambiguous.

23 THE WITNESS: Well, it's
24 probably better if you do. I don't know
25 that it's -- as I mentioned, a number of

1 these studies that we've got in our files
2 here, and I think that Dr. Infante had
3 his files and Dr. Weisenburger had in his
4 files, are studies where -- and they said
5 they relied upon them, are studies where
6 there actually wasn't verification. I
7 mean it was based upon people
8 self-reports of whether or not they had
9 been exposed to benzene. I think
10 those -- I think studies where there is
11 quantitative information about benzene
12 may be better studies but not necessarily
13 so because there may be other
14 difficulties with those studies.

15 BY MR. HANLEY:

16 Q Would you agree that if you
17 have a would be exposure cohort that has
18 non-exposed people mixed into it that you
19 will dilute the findings in terms of
20 establishing whether or not there's an
21 association between the chemical being
22 evaluated and the disease being looked for?

23 MS. KAHN: Objection.

24 Incomplete hypothetical, overly broad,
25 vague and ambiguous.

1 THE WITNESS: Well, I think I
2 would have to actually be -- in order to
3 give you an opinion on something like
4 that, I would have to actually be looking
5 at a particular study to see, you know,
6 what the number of people, how that was
7 determined that they weren't exposed. It
8 sort of depends upon the study. I mean
9 my guess is that -- well, I know that
10 people in cohort studies have a wide
11 range of exposures, and I don't know
12 any -- many epidemiology studies or maybe
13 any epidemiology studies where there
14 isn't a fairly wide range of exposure
15 levels including some people who don't --
16 probably don't have any exposures. So I
17 think it's -- so I think it's the nature
18 of doing an epidemiology study that you
19 can't be certain that everybody within
20 that so-called exposed cohort has truly
21 been exposed to the chemical.

22 BY MR. HANLEY:

23 Q Would you agree as a general
24 proposition that to the extent that you
25 have non-exposed people in a would be

1 exposed cohort that you will necessarily
2 dilute your findings relating to causation?

3 MS. KAHN: Objection. Overly
4 broad, vague and ambiguous.

5 THE WITNESS: Well, I think
6 you have to predicate that on the fact
7 that the thing you're studying is truly
8 caused by that particular chemical. I
9 mean, obviously, if it's something that
10 isn't caused by that exposure, then it
11 wouldn't make any difference. But I
12 would say that if you do have a -- if you
13 are studying something that truly is
14 related, causally related to a particular
15 chemical exposure, and you had a
16 significant number of people -- and I
17 don't know what that would be -- who were
18 not exposed, it could dilute the findings
19 and decrease the SMRs of the study or the
20 relative risks I guess, since you're
21 talking about a control group. But I
22 don't know whether it would -- it would
23 all depend on the details within that
24 study about whether or not it would
25 significantly do so.

1 MR. HANLEY: Okay. All
2 right. Thank you, sir. I have been on
3 the record with you four hours now, and
4 we'll send you a check for that time.
5 And those are all of my questions at this
6 time.

7 MS. KAHN: You also owe
8 Dr. Whysner an hour from April 1st.

9 MR. HANLEY: Yes. That check
10 should have gone out by now. Have you
11 gotten that?

12 THE WITNESS: Yes, I got that
13 and I got your check increasing the
14 amounts from 450 to 550.

15 MR. HANLEY: All right. So
16 I'll send you now 550 times four.

17 THE WITNESS: Okay.

18 MR. HANLEY: Thank you, sir.

19 THE WITNESS: Okay.

20 MS. KAHN: Thank you, Madam
21 Reporter.

22 THE REPORTER: Ms. Kahn, I
23 wanted to ask you on the record, you
24 wanted to order a copy of today's
25 transcript?

1 MS. KAHN: Yes, I do. It's
2 fine with me if you send the original of
3 the transcript -- actually, you probably
4 should send it to my office so I can send
5 it to the witness to make corrections, if
6 that's all right with Mr. Hanley, and
7 then I will retain custody and control of
8 that. And if changes are made, I'll
9 notify Mr. Hanley of those changes within
10 a reasonable period of time or let him
11 know that no changes were made. And if
12 no changes are made, a certified copy
13 will be used for any admissible purpose,
14 if changes were made, those changes need
15 to get taken into account in using any
16 copy of the transcript.

17 MR. HANLEY: I'm fine with
18 that agreement, Ruth, as long as we
19 understand that any such changes will
20 need to be timely under the California
21 code, and that if any such changes are
22 made, I would be immediately notified.
23 And by immediately I mean with 24 hours
24 of those changes being submitted to the
25 record court reporter.

1 MS. KAHN: I'm not sure what
2 "timely" means in terms of the California
3 code.

4 MR. HANLEY: Well, the time
5 within which someone has to review and
6 make corrections to their deposition is
7 controlled by the code, as I recall it.

8 MS. KAHN: I think it's like
9 30 days, which I'm not sure has any
10 applicability here since trial is set for
11 Tuesday, but, you know, and I don't know
12 how quickly the transcript is going to be
13 ready. I don't know if you're expediting
14 it or not. But --

15 MR. HANLEY: All that being
16 as it may, whatever the time limitations
17 may be, they are, you will choose to make
18 any changes, you know, at whatever point
19 you choose to do that. And my only
20 request with that is that I get the
21 immediate advisement of those changes as
22 opposed to, you know, delaying my being
23 advised of that.

24 MS. KAHN: Of course. We'll
25 let you know within 24 hours of our

1 receipt of changes that the changes have
2 been made and what they are.

3 MR. HANLEY: Very good.

4 THE WITNESS: Let me just
5 say something. I have two changes from
6 the previous testimony just -- should I
7 just wait until I get today's testimony
8 to incorporate those or send them all at
9 once?

10 MR. HANLEY: No, why don't
11 you state what the changes are on the
12 record now, if you don't mind.

13 THE WITNESS: On page 74
14 line 16, instead of it being CLL, should
15 be lymphoma. And the answer was -- the
16 answer was, "the type of NHL that we
17 talked about, the small cell" -- and then
18 it says "CLL represents less than 10
19 percent," it should be, "small cell
20 lymphoma."

21 MR. HANLEY: Okay.

22 THE WITNESS: And the other
23 change is page 92, line 15, and it should
24 -- instead of being pulmonary, it should
25 be hepatic. So the sentence, instead of

1 reading, "I can't remember if it's
2 directly off the aorta, but it comes
3 eventually from the aorta into the" and
4 it should be "hepatic artery" instead of
5 the "pulmonary artery."

6 MR. HANLEY: Okay. Thank
7 you, sir.

8 With that I think we can go
9 off the record and conclude the
10 deposition.

11 (Time noted: 4:00 p.m.)
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9 I, DR. JOHN WHYSNER, Ph.D., DABT, do hereby
10 declare under penalty of perjury that I have read the
11 foregoing transcript; that I have made any corrections
12 as appear noted, in ink, initialed by me, or attached
13 hereto; that my testimony as contained herein, as
14 corrected, is true and correct.

15 EXECUTED this_____day of_____,
16 20____, at_____, _____.
(City) (State)

17
18
19
20 _____
DR. JOHN WHYSNER, Ph.D., DABT
21
22
23
24
25

I N D E X

EXAMINATION CONDUCTED BY	3	7
MR. HANLEY		
The Travis article and	4	22
Dosemeci article were		
marked as Plaintiff's		
Exhibit No. 1		
Article entitled "Benzene	26	9
and Lymphohematopoietic		
Malignancies in China"		
published in Journal of		
Toxicology and		
Environmental Health,		
year 2000, was marked as		
Plaintiff's Exhibit No. 2		

1 STATE OF NEW YORK)

) Ss:

2 COUNTY OF PUTNAM)

3
4 I, Christy J. Rockower, a
5 Shorthand Reporter and Notary Public
6 within and for the State of New
7 York, do hereby certify:

8 That the witness whose
9 examination is hereinbefore set
10 forth, was duly sworn by me, and
11 that the transcript of said
12 examination is a true record of the
13 testimony given by the witness.

14 I further certify that I am
15 not related to any of the parties to
16 this action by blood or marriage and
17 that I am in no way interested in
18 the outcome of this matter.

19 IN WITNESS WHEREOF, I have
20 hereunto set my hand this 18th day
21 of April, 2008.

22
23 _____
24 Christy J. Rockower
25