

1 14TH JUDICIAL DISTRICT COURT

2 PARISH OF CALCASIEU

3 STATE OF LOUISIANA

4

5 STEVE LEBLANC, ET AL NO. 91-1145

6 VS.

7 CONOCO, INC., ET AL

8

9

10

11

12

13

14

15 Deposition of RICHARD D. IRONS, Ph.D.,
16 taken in the above-entitled cause, pursuant to the
17 following stipulation, before Julie L. Samford,
18 Certified Shorthand Reporter, at the law offices
19 of Phelps Dunbar, 400 Poydras Street, New Orleans,
20 Louisiana, at 9:00 a.m. on the 27th day of October,
21 1992.

22

23

24

25

1 APPEARANCES:

2

3 Representing the Plaintiffs:

4 HOBSON & FERGUSON
5 BY: THEODORE M. FLERLAGE
6 2190 Harrison Avenue
7 Beaumont, Texas 77701

7 Representing Defendant Moore & Companies:

8 PHELPS DUNBAR
9 BY: BARBARA L. ARRAS
10 Texaco Center
11 400 Poydras Street
12 New Orleans, Louisiana 70130-3245

11 Representing Defendant Conoco, Inc., and
12 Canadian Oxy Offshore Production Company:

13 JONES, TETE, NOLEN, HANCHEY,
14 SWIFT & SPEARS
15 BY: KENNETH R. SPEARS
16 First Federal Building
17 1135 Lakeshore Drive
18 P.O. Box 910
19 Lake Charles, Louisiana 70602

17 Representing Insurance Company of
18 North America:

19 GUILLORY & McCALL
20 BY: WILLIAM T. McCALL
21 901 Lakeshore Drive, Suite 836
22 Lake Charles, Louisiana 70601

22 Representing PPG:

23 STOCKWELL, SIEVERT, VICELLIO,
24 CLEMENTS & SHADDOCK
25 BY: BENJAMIN J. GUILBEAU, JR.
One Lakeside Plaza
Lake Charles, Louisiana 70601

1 APPEARANCES: (Continued)

2 Representing Oxy USA, Inc.:

3 E.W. HACK
4 OXY, USA, INC.
5 SENIOR COUNSEL, LEGAL DIVISION
6 110 West 7th Street
7 Tulsa, Oklahoma 74119

8
9 Representing Conoco, Inc.:

10 SUSAN FROEHLI TEICH
11 CONOCO, INC.
12 COUNSEL, LEGAL DEPARTMENT
13 600 North Dairy Ashford
14 Houston, Texas 77079

15

16 S T I P U L A T I O N

17

18

19 It is stipulated and agreed by and among
20 counsel for the parties hereto that the deposition
21 of the aforementioned witness is hereby being taken
22 pursuant to the Louisiana Code of Civil Procedure
23 for all purposes, in accordance with law;

24 That all objections except as to the form
25 of the question and responsiveness of the answer
are hereby reserved until such time as this
deposition, or any part thereof, may be used or
sought to be used in evidence.

26

1	E X H I B I T S	
2		
3	IRONS EXHIBIT NO. 1.....	10
4	NOTICE OF DEPOSITION	
5		
6	IRONS EXHIBIT NO. 2.....	12
7	CURRICULUM VITAE	
8		
9	IRONS EXHIBIT NO. 3.....	13
10	WITNESS' CASE FILE	
11		
12	IRONS EXHIBIT NO. 3-A.....	13
13	HANDWRITTEN NOTES - LEBLANC	
14		
15	IRONS EXHIBIT NO. 3-B	13
16	HANDWRITTEN NOTES - STELLY	
17		
18	IRONS EXHIBIT NO. 3-C.....	13
19	MEDICAL HISTORY - STELLY	
20		
21	IRONS EXHIBIT NO. 3-D.....	13
22	WORK HISTORY - STELLY	
23		
24	IRONS EXHIBIT NO. 3-E.....	13
25	MEDICAL HISTORY - LEBLANC	
	IRONS EXHIBIT NO. 3-F.....	13
	WORK HISTORY - LEBLANC	
	IRONS EXHIBIT NO. 3-G.....	14
	DEPOSITION SUMMARY - LEBLANC I	
	IRONS EXHIBIT NO. 3-H.....	14
	DEPOSITION SUMMARY LEBLANC II	

1	IRONS EXHIBIT NO. 3-I.....14
2	DEPOSITION SUMMARY - STELLY
3	IRONS EXHIBIT NO. 5.....26
4	ARTICLES SUPPORTING OPINION
5	IRONS EXHIBIT NO. 6.....29
6	HOURLY BILL
7	IRONS EXHIBIT NO. 7.....46
8	LIST OF LITIGATION TESIMONY
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	

1 RICHARD D. IRONS, Ph.D.,
2 4200 East 9th Avenue, Denver, Colorado, after
3 being first duly sworn in the cause, testified as
4 follows:

5 EXAMINATION

6 BY MR. FLERLAGE:

7 Q. Doctor, for the record my name is Ted
8 Flerlage. I am representing the two plaintiffs who
9 are left in this case. Are you here for a
10 deposition in the Stelly and LeBlanc cases?

11 A. Yes.

12 Q. And have you prepared any materials
13 which would be a report or a summary of what your
14 findings are?

15 A. In writing, no.

16 Q. Do I take that to mean that you have an
17 oral report of some type that you have given to
18 somebody?

19 A. I have an opinion, yes.

20 Q. Has that been given to anyone in this
21 room?

22 A. Yes.

23 Q. Who is that?

24 A. Ms. Arras.

25 Q. Was that done by telephone, or this

1 morning, or how did you do it?

2 A. By telephone and in meeting.

3 Q. Okay. Can you tell me briefly what you
4 told her at either one of those two places?

5 MR. McCALL: Excuse me. I am going to
6 object, Ted. Again, I think you are
7 getting into an area of attorney work
8 product about what was discussed
9 between counsel and a witness, and I
10 object to that. You can ask who was
11 there, when and what, and I don't have
12 a problem with that, but the actual
13 details of the conversation I think are
14 privileged.

15 MR. FLERLAGE: I'm not asking for a
16 conversation, Counsel. I am just
17 asking for his opinion as expressed to
18 Ms. Arras at either one of the two
19 occasions, and if I limit it to that, I
20 think I am entitled to get that
21 information. That's not what I would
22 think would be either work product or
23 attorney-client privilege.

24 MR. McCALL: Just his opinions he has
25 expressed?

1 MR. FLERLAGE: That's all I am asking.

2 A. There is insufficient evidence to
3 suggest that multiple myeloma is associated with
4 exposure to benzene, butadiene or ethylene oxide.

5 Q. Have you gotten into the issue with
6 regard to vinyl chloride?

7 A. No, I have not.

8 Q. Are you going to express any opinion
9 with regard to vinyl chloride?

10 A. If I am asked to do so, I will, but at
11 the present time I haven't formulated an opinion.

12 Q. Okay. Now you put it in terms of
13 insufficient evidence. What do you mean by
14 "evidence"?

15 A. Data in the scientific and medical
16 literature that would support a causal
17 relationship.

18 Q. Are you limiting that to
19 epidemiological literature, or does it extend
20 beyond epidemiological literature into some other
21 scientific spectrum?

22 A. Epidemiology certainly plays a major
23 role in that process. If you have human data,
24 especially that's reliable, you should use it.

25 By the same token, experimental animal

1 data and mechanistic data is extremely important to
2 aid in interpreting issues that are raised through
3 epidemiology studies.

4 Q. In support of your opinion that you
5 have just expressed, are you relying on any human
6 data in particular?

7 A. Yes, the epidemiology literature that I
8 brought with me today.

9 Q. All right. And that's in the black
10 notebook that I have been pawing through here? I
11 will get to that in a minute then. With regard to
12 any other materials -- I think the first set here
13 is epidemiology; would that be correct?

14 A. The first set is epidemiology, related
15 specifically to the issue of multiple myeloma.

16 Q. And the second category?

17 A. The second category includes basically
18 some of my own work, including a book chapter in a
19 clinical toxicology text by the title of "Hazardous
20 Materials Toxicology," and I brought that because
21 that summarizes quite adequately my opinions with
22 respect to the evidence for ethylene oxide and for
23 butadiene.

24 I have brought one of my latest papers,
25 which speaks to the issue of the target cell in

1 certainly benzene bone marrow toxicity. I brought
2 just a couple of papers that deal with the issue of
3 the ontogeny of multiple myeloma and its origin.

4 Q. And that would be the next category?

5 A. Yes.

6 Q. And I have brought, by no means not
7 all, but I brought some of the papers, including my
8 own, which support my opinions with respect to
9 butadiene and ethylene oxide.

10 Q. And that's in the final category that
11 you have got in the loose-leaf binder?

12 A. Yes.

13 Q. Okay. Have you been asked to look into
14 any cause and effect relationship of vinyl chloride
15 and multiple myeloma?

16 A. No.

17 Q. Okay. So at this point, anyway, you
18 don't intend to go any further and look into that?

19 A. Not unless I am asked to do so.

20 (EXHIBIT NO. 1 WAS MARKED)

21 BY MR. FLERLAGE:

22 Q. Okay. All right, Doctor. It's a
23 little bit late for this, but anyway I've got
24 Irons Exhibit No. 1 as the notice of deposition.
25 Have you seen that?

1 A. Yes.

2 Q. In addition to the materials you have
3 just described in your notebook, what other
4 materials did you bring which would be responsive
5 to the notice of deposition?

6 A. I brought the materials I have received
7 from Ms. Arras, including a letter that accompanied
8 medical records that I received and some summaries
9 that I received from her. I am missing one letter
10 that I have misplaced.

11 Q. That's probably a smoking gun, I guess,
12 right?

13 A. I know what the substance was. It
14 notified me that Fautheree was being eliminated
15 from the suit.

16 Q. So with the exception of that letter
17 then, this would be the correspondence that you
18 received?

19 A. Yes, plus the medical records, which I
20 have not brought with me.

21 Q. Now the medical records you received
22 would be the eight-inch thing that we described
23 yesterday?

24 A. Yes.

25 Q. And you have got a summary also of

1 that?

2 A. That's in there.

3 Q. Have you summarized the records
4 independent of counsel?

5 A. Yes, to a very minimal extent, just so
6 that I keep track of time and place.

7 Q. So you have got two sheets of
8 handwritten notes here, which would be one on
9 LeBlanc and one on Stelly?

10 A. Yes.

11 Q. And this is in your handwriting?

12 A. Yes.

13 Q. The LeBlanc, and Stelly is also?

14 A. Yes.

15 Q. Is this a current copy of your CV?

16 A. Yes.

17 Q. And that would be up-to-date as far as
18 publication is concerned?

19 A. To the day.

20 Q. Okay.

21 A. I can't do better than that.

22 Q. No.

23 (EXHIBIT NO. 2 WAS MARKED)

24 BY MR. FLERLAGE:

25 Q. I have had that marked for the record

1 as Exhibit No. 2, and that will be the CV.

2 Exhibit No. 3 I will mark as the folder
3 that the materials that we have just gone through
4 came in, and then we can go through this and mark
5 them with letters and suffixes if it's okay.

6 3-A and 3-B would be the pages of
7 handwritten notes from the doctor, one on LeBlanc
8 and one on Stelly.

9 The next items will be as described by
10 the doctor, the medical records summary and the
11 work history of Maurice Stelly, Jr., which will be
12 C and D, and the medical records summary and the
13 work history summary of Steve LeBlanc, E and F.

14 (EXHIBIT NOS. 3-A THROUGH 3-F WERE MARKED)

15 MR. McCALL: Which one goes first, Ted?

16 The medical summary first?

17 MR. FLERLAGE: The medical summary
18 first, yeah.

19 BY MR. FLERLAGE:

20 Q. And then you have some deposition
21 summaries in here, I take it, which were supplied
22 by counsel.

23 A. Yes.

24 Q. And the summaries are Volumes I and II
25 of the LeBlanc deposition?

1 A. Yes.

2 Q. And then the Stelly deposition?

3 A. Yes.

4 Q. Okay. Have you received any other
5 deposition summaries or depositions in this case?

6 A. I have seen Volumes I and II of the
7 LeBlanc deposition. I have seen the Stelly
8 deposition. I have no other summaries that I am
9 aware of.

10 Q. Have you reviewed any other depositions
11 with regard to this case, whether from a fact
12 witness or an expert witness?

13 A. No.

14 MR. FLERLAGE: Okay. Why don't we just
15 mark these G, H, and I.

16 (EXHIBIT NOS. 3-G, 3-H, AND 3-I WERE MARKED)

17 BY MR. FLERLAGE:

18 Q. Except for the one piece of
19 correspondence that I haven't had numbered, is that
20 the sum and substance of your file in the case?

21 A. Yes, with respect to what I have
22 received.

23 Q. Yes. Thank you. And have you done any
24 other research or acquired any other materials with
25 regard to this case?

1 A. Well, in response to the deposition
2 notice, I brought with me all other materials that
3 I have written that deal with the case. This is
4 just some notes I took on my first phone call with
5 Ms. Arras.

6 Q. And at the top is written "Radiation,
7 Farming-Livestock" and ""Coal Tar-Asphalt."

8 A. Yes.

9 Q. Would they be potential exposures
10 involved in the case?

11 A. When she mentioned multiple myeloma,
12 those were my first thoughts with respect to
13 occupations or exposures, that there is literature
14 to suggest a causal relationship.

15 Q. Okay. And this was initially, without
16 doing any specific research in the case or knowing
17 anything about specific exposures; is that right?

18 A. That's right.

19 Q. So this would be very early on in the
20 case when you first contacted Ms. Arras?

21 A. When she contacted me, yes.

22 (EXHIBIT NO. 3-J WAS MARKED)

23 Q. Doctor, I have been referring to the
24 Richard Irons deposition which was dated or done
25 December 17th, 1991, just as a framework for

1 background information and what have you, and that
2 was in the case of Ellis versus a number of
3 defendants. Do you remember taking that deposition
4 or giving that deposition?

5 A. Yes.

6 Q. That was December. Since that time,
7 without going into the CV, have your qualifications
8 or educational background or any of those things
9 changed in any degree?

10 A. No.

11 Q. With regard to what you are doing on a
12 day-to-day basis with your occupation, has that
13 changed in any sense since December?

14 A. No.

15 Q. Do you have the same grants and the
16 same contracts that you described at that time that
17 you are still working on, on an ongoing basis?

18 A. Yes. The same ones that I have, I had
19 then; vice versa, the same ones I had pending then,
20 I have pending now.

21 Q. Have you sought any other grants from
22 either the government or any other independent
23 agency for research?

24 A. Yes.

25 Q. What areas would they be in?

1 A. Biomonitoring for cancer, the National
2 Cancer Institute, would be the one that I currently
3 have in preparation right now, and I expect over
4 the course of the next year I will probably propose
5 and submit one or two additional grants.

6 Q. Would they be in areas which are
7 germane to the issues of this case?

8 A. Yes.

9 Q. And in what way?

10 A. Virtually all my research is focused on
11 mechanisms of bone marrow toxicity and
12 leukemogenesis, either in animals or man, and
13 understanding those mechanisms, so anything that I
14 am likely to submit as a research proposal is going
15 to have some bearing on it.

16 Q. To whom have you submitted the
17 proposals?

18 A. I am in the process of tendering a
19 submission to the National Cancer Institute. I
20 have not yet submitted it, but will by the middle
21 of next month.

22 Q. Have you submitted any further
23 proposals than those described in the December
24 deposition to either the API or CMA?

25 A. I don't believe so.

1 Q. All right. Do you still have ongoing
2 research on behalf of API and CMA?

3 A. Yes.

4 Q. Same projects you discussed then?

5 A. Yes.

6 Q. Have you addressed any meetings of
7 membership of the API or CMA since that time?

8 A. Memberships meetings? I attended a
9 workshop that involved EPA, API, representatives of
10 various regulatory agencies in Warrenton, Virginia,
11 last month.

12 Q. What was the substance of that meeting?

13 A. State of the art of the science as it
14 forms a biological basis for evaluating risk
15 assessment.

16 Q. That would be the present state of the
17 art?

18 A. Yes.

19 Q. Who else gave a presentation or spoke
20 at that meeting?

21 A. Bernie Goldstein from Rutgers, several
22 people from the Environmental Protection Agency,
23 Canadian Environmental -- it's not the
24 Environmental Protection Agency, but Canadian
25 Health and Welfare, Canada, Robert Snyder from

1 Rutgers, Michelle Midensky from C.I.I.T., Raymond
2 Tyce from Research Triangle Park, a variety of
3 different researchers and regulators who were
4 interested in benzene toxicity.

5 Q. Was there a report generated or a
6 publication generated from that meeting?

7 A. No. It was a very informal discussion.

8 Q. Was there a consensus reached among the
9 people who gave presentations, to the best of your
10 knowledge?

11 A. In a way, yes, and that is that the
12 only answer that is going to improve the process of
13 risk assessment for benzene is going to be
14 mechanistic biologic studies that provide a clear
15 understanding of the mechanism in action.

16 Q. Which would be the pathogenesis
17 essentially of how the metabolites affect the cell
18 structure?

19 A. That would be a fair description.

20 Q. Would that also be true of the other
21 chemicals we are talking about, butadiene and/or
22 ethylene oxide?

23 A. You are asking someone who --

24 Q. I'm sorry. Let me strike that. You
25 didn't discuss any of the other chemicals at this

1 particular meeting?

2 A. No.

3 Q. Let me get to that later then. Was
4 this the only presentation or meeting of any type
5 that you had with organizations such as this, CMA,
6 API?

7 A. I probably have given a progress report
8 to -- yes, I gave a progress report to CMA on my
9 butadiene research at roughly the same time.

10 Q. So you have a reasonably new butadiene
11 paper here?

12 A. I have a butadiene paper in submission.
13 It's not yet in press, so it's not in this folder.

14 Q. What is the gist of that paper?

15 A. It characterizes the cytocon
16 specificity of the cell populations that are
17 sensitive to the monoepoxy epoxibutene, which is
18 the monoepoxy metabolite of butadiene.

19 Q. Is there an English translation for
20 what you just said?

21 A. We are looking at the alterations that
22 the metabolites of butadiene cause on bone marrow
23 cells in culture.

24 Q. Would that be similar to the research
25 that has already been done for benzene, for

1 instance?

2 A. Yes.

3 Q. You have already gone that route with
4 benzene, I take it, and you have opinions with
5 regard to how benzene affects -- the metabolites of
6 benzene, at least, affect the marrow; is that
7 correct?

8 A. Yes.

9 Q. So you are doing the same type of
10 studies with regard to butadiene?

11 A. Yes.

12 Q. Do you have any conclusions with regard
13 to the effects of butadiene? And again, I am
14 limiting that to the bone marrow.

15 A. To the extent that we have examined it,
16 which is the mouse, I think that it's fair to say
17 that butadiene does not behave in a manner that's
18 similar to benzene.

19 Q. Okay. In what ways would it be
20 different or characterized as different?

21 A. It does different things to different
22 cells. That pretty well summarizes it.

23 Q. Different stem cells, or different
24 types of groups of cells or classifications of
25 cells?

1 A. Well, it depends how you define "stem
2 cell." Different cells that are at different
3 stages of differentiation.

4 Q. Would it be fair to say then that, at
5 least in your opinion, with regard to the present
6 status of your research into butadiene, that it
7 does not do the same things to the same cells that
8 the benzene would do?

9 A. Yes.

10 Q. Okay. That is sort of a summation of
11 the whole?

12 A. Yes, in the mouse.

13 Q. In the mouse? Can you translate those
14 experiments into the human experience?

15 A. We are beginning to do that now. We
16 are actively involved in directly comparing the two
17 species, but we have no data on which to make any
18 statements of the similarities or differences at
19 this point.

20 Q. Have you been able to get marrow
21 specimens, human marrow specimens, to use for your
22 research?

23 A. Yes.

24 Q. You talked about some sources that you
25 had back in December. Have those changed at all

1 for marrow?

2 A. Yes.

3 Q. In what way?

4 A. I am buying marrow now from human
5 volunteers.

6 Q. So you're harvesting marrow from people
7 in the same sense that hospitals do for --

8 A. Yes.

9 Q. Okay. Are there any specific marrow
10 and/or blood types that you are after when you are
11 harvesting?

12 A. I don't understand the question.

13 Q. Is there a type of human blood type or
14 human marrow component which would be more
15 susceptible in your opinion to any of these
16 processes?

17 A. You mean a particular individual?

18 Q. Yes.

19 A. No. No, at this point we are looking
20 at normal individuals and we are looking at normal
21 bone marrow. We are not distinguishing or
22 selecting marrow on any basis I can think of,
23 except that they be AIDS-free, that women not be
24 pregnant, and we are keeping information such as
25 smoking history, that type of thing.

1 Q. When you do the harvest, do you do it
2 from the iliac crest or do you do it from the
3 sternum? How do you do it?

4 A. I don't know anybody that does sternum
5 anymore.

6 Q. Okay.

7 A. Iliac crest.

8 Q. What quantity do you receive from the
9 donors?

10 A. It varies, but normally 5 ML's.

11 Q. You have some papers, I believe, that
12 you brought with you on ethylene oxide also.

13 A. Yes.

14 Q. And is there any ongoing research which
15 would be similar to what you have just described in
16 the butadiene concept for ethylene oxide?

17 A. Not to my knowledge; certainly not in
18 my laboratory.

19 Q. What would be your opinion of the
20 capability of ethylene oxide to cause bone marrow
21 changes as a result of exposure to ethylene oxide?

22 A. The answer to that question depends on
23 a lot of variables. If you are talking about an
24 in vitro culture system where you introduce
25 ethylene oxide into the culture directly, very much

1 like we are with metabolites, benzene or butadiene,
2 I would surely expect to see some toxicity, because
3 ethylene oxide is a direct-acting, very reactive
4 molecule. In vivo, I know of no -- I have no basis
5 on which to give you an informed answer to that.

6 Q. Either animal or otherwise?

7 A. No. It's clearly reactive. It will
8 cause toxicity directly to cells in the blood. I
9 think that's fairly well established, so the issue
10 of whether or not ethylene oxide in high
11 concentrations can be toxic I don't think is
12 controversial; it's a question of what its
13 potential for causing leukemia or lymphoma is, and
14 those are totally different subjects.

15 Q. Would your opinion that ethylene oxide
16 does not cause or did not in this case cause the
17 multiple myelomas that these gentlemen purportedly
18 have be based on the epidemiology with regard to
19 ethylene oxide?

20 A. Yes.

21 Q. The documents that you have brought
22 with you, I take it they are the only copies that
23 are here at present.

24 A. Yes.

25 Q. Do you mind leaving those with the

1 court reporter?

2 A. If I can get these copied and returned
3 to me, that would be fine.

4 MR. MCCALL: We can do that. We can
5 have them photocopied and ship them
6 back to you; is that okay?

7 THE WITNESS: Yeah.

8 BY MR. FLERLAGE:

9 Q. Since you have described the
10 categories, and we may be referring to the
11 individual articles at some time during the
12 deposition, if that happens I will have them
13 individually marked, but for purposes of
14 record-keeping, I will call it Exhibit 5 for the
15 whole package.

16 (EXHIBIT NO. 5 WAS MARKED)

17 BY MR. FLERLAGE:

18 Q. Doctor, do you understand this to be a
19 discovery deposition?

20 A. Yes.

21 Q. And are you scheduled to appear at the
22 trial of the case?

23 A. It is my understanding that if this
24 case goes to trial, I will testify.

25 Q. Do you know when the trial date is?

1 A. No.

2 Q. If I told you November 16th as a
3 startup time or potential startup time, would you
4 have anything in your schedule which would
5 interfere with your testimony sometime thereafter?

6 A. Teaching. It's a matter of scheduling
7 in between my lecturing and teaching
8 responsibilities.

9 Q. You believe you could make sufficient
10 time to get to Lake Charles?

11 A. Yes.

12 Q. And the testimony and then to leave,
13 would that take approximately three days out of
14 your schedule?

15 A. Two or three, depending upon how it
16 goes, connections and that type of thing.

17 Q. Now you testified that you were
18 retained in these cases by Ms. Arras?

19 A. Yes.

20 Q. And are you also, to the best of your
21 knowledge, working on behalf of the other
22 defendants in the case?

23 A. To the best of my knowledge, yes.

24 Q. So the testimony you give today will be
25 on behalf of any defendants remaining?

1 A. I assume so. My testimony is my
2 testimony irrespective of who's here.

3 Q. For purposes of litigation such as
4 this, do you have a particular billing rate?

5 A. I have a standard billing rate that I
6 use for all consulting activities, whether it's
7 litigation, consultation to various industries or
8 agencies, and that's 300 an hour. The only
9 exception is when I provide an advisory role to
10 regulatory agencies or the U.S. government, I go by
11 whatever the standard daily rate is.

12 Q. Do you have any invoices for work
13 performed to this point in these two cases?

14 A. No.

15 Q. Can you estimate how many hours you
16 have applied to the LeBlanc and Stelly evaluations?

17 A. Yes. I wrote this down. I brought
18 this in response to the deposition notice. This is
19 a record of my hours to date.

20 Q. Okay. Now this is LeBlanc, et al, and
21 it has dates over on the left hand, next to the
22 margin, and then these are hours on this side?

23 A. Yes.

24 Q. And each of these hours would have been
25 at the rate of \$300 per hour?

1 A. Yes.

2 Q. There is no total at the bottom here.

3 May I have this marked as Exhibit 6?

4 A. That, I would like back.

5 (EXHIBIT NO. 6 WAS MARKED)

6 BY MR. FLERLAGE:

7 Q. This comes up to 10-26, which is
8 travel, and that would be to get here?

9 A. Yes.

10 Q. And then 10-25 would be copying
11 articles and record review?

12 A. Yes.

13 Q. That would be these articles?

14 A. Yes.

15 Q. For courtroom appearances and
16 depositions, do you bill at the same rate?

17 A. Yes.

18 Q. So you consider that consultation also?

19 A. Yes.

20 Q. You indicated that you do consultation
21 to industry.

22 A. Yes.

23 Q. Would that be petroleum and chemical
24 industries?

25 A. To some extent, yes; predominantly

1 pharmaceutical industry.

2 Q. Are you doing any current consulting
3 work with any of the individual petroleum or
4 chemical companies?

5 A. Not to my knowledge.

6 Q. Okay. How many people work with you?

7 A. In my laboratory?

8 Q. In your lab, yes.

9 A. Seven to ten.

10 Q. Is anyone else in your laboratory
11 actively seeking grants or research?

12 A. No.

13 Q. So everything would come in through
14 you?

15 A. Yes.

16 Q. If you could estimate for me, what
17 percentage of your professional time is devoted to
18 litigation matters?

19 A. It's less than 10 percent. It's
20 roughly between say 7 and 10 percent.

21 Q. If I asked you the same question, what
22 percentage of your gross income would be derived
23 from litigation matters, would it be in the same
24 range?

25 A. No. If I look at consulting as a

1 whole, it's roughly half of my income, maybe
2 slightly more.

3 Q. That would be litigation-based
4 consulting work?

5 A. It would be litigation. It would also
6 be pharmaceutical. It would be everything that I
7 do that involves compensation. Advice to
8 regulatory agencies, virtually all my consulting
9 activities.

10 Q. What I was trying to do, though, is
11 break out from that the litigation. Can you do
12 that right now?

13 A. Between 60 and 70 percent, probably.

14 Q. Of that would be litigation?

15 A. Yes.

16 Q. So 60 to 70 percent of the 50 percent
17 would be the litigation-based consulting work, as
18 you are calling it?

19 A. Yes.

20 Q. And that would be on an income basis,
21 gross income?

22 A. Yes. These are rough figures, believe
23 me.

24 Q. Can you characterize the amount of
25 weight you would place in epidemiology as opposed

1 to, as you are calling it, mechanistic type
2 studies, with regard to forming an opinion in this
3 case?

4 A. That answer depends on a number of
5 factors. As I mentioned before, if you have human
6 data, I think you should use it.

7 But by the same token, epidemiology
8 studies are extremely hard to control. They are
9 extremely hard to use in a manner that gives you a
10 definitive answer to your question. It's not like
11 a hypothesis-driven exercise in the laboratory,
12 where you can control all of the parameters that go
13 into it. So epidemiology is a lot more difficult
14 as a tool, but again, it deals with humans.

15 I tend to view epidemiology studies in
16 an area as a whole, as opposed to taking individual
17 studies and arriving at an opinion based upon a
18 single study, and that's because I don't find very
19 many epidemiology studies to be definitive. You
20 have to look for a pattern.

21 On the other hand, it is possible, if
22 well done, to obtain definitive answers with
23 experimental studies, but they tend to have a much
24 narrower scope and they are much more focused.

25 Q. By experimental studies, do you mean

1 something like an animal study or a --

2 A. Either an animal study or a study using
3 tissues from either animals or humans.

4 Q. Which is what you are engaged in with
5 the bone marrow and what have you?

6 A. Yes.

7 Q. By "narrower," you mean focused in on a
8 specific cell or a specific area of the body as
9 opposed to a more sweeping conclusion of occurrence
10 of disease?

11 A. Yes. I think that these types of
12 studies are very valuable as tools with which to
13 test the validity of assumptions that are raised in
14 epidemiology studies.

15 Q. Is there any particular epidemiology
16 that you are relying on in support of your opinions
17 with regard, first of all, to benzene and the
18 occurrence of Mr. Stelly and Mr. LeBlanc's
19 diseases?

20 A. Yes, and I have brought copies of those
21 studies with me.

22 Q. Okay. So the list of epidemiology that
23 we have in Section 1 of Exhibit 5, I think it is,
24 the Tabershaw report from '74, the Rinsky studies,
25 '81, '87, some of Dr. Wong's materials are in

1 there. Is there anything else that you would point
2 to in the realm of epidemiology that you are
3 relying on?

4 A. Rushton and Alderson.

5 Q. Would that be the '83?

6 A. Yes. And when you say "rely on" I
7 would like to qualify that.

8 Q. Sure.

9 A. I don't rely on any individual article
10 totally. I evaluate it for what I consider are its
11 strengths and weaknesses and I arrive at an
12 opinion, but that does not mean that, in the sense
13 of relying on everything in an article or a report
14 as being accurate or that I agree with, I don't
15 rely on individual papers in that manner.

16 Q. Do you perform your own what I will
17 call meta-analysis when you look at the sum total
18 of the epidemiology in the area?

19 A. Meta-analysis has gotten to be a
20 buzzword. To the extent that it allows one to take
21 a number of different studies and evaluate them as
22 a group, it is a valuable tool.

23 But by the same token, I don't think
24 that it requires a meta-analysis to see the
25 obvious; therefore, if you have consistency within

1 a series of epidemiology studies, each of which
2 brings to the arena their own strengths and
3 weaknesses, I don't think you necessarily -- from
4 my own point of view, I don't need a meta-analysis
5 to reach an opinion.

6 Where I think a meta-analysis is useful
7 is where you can't do that, but that has not been
8 my problem in this particular case.

9 Q. Consistency being report to report to
10 report?

11 A. Yes. As I said before, an individual
12 epidemiology study to me is rarely definitive. You
13 really have to look at the sum total of literature
14 that's there in arriving at an opinion, and you
15 look for a pattern, whether there is a consistent
16 pattern.

17 One problem associated with controlling
18 epidemiology studies, especially if you are dealing
19 with a broad segment of the population, is
20 ascertaining what's a negative result, and whether
21 or not there is a causal association between an
22 alleged exposure or a hypothesized exposure or
23 occupation and a given finding.

24 If in fact there is such a
25 relationship, one should expect to see it

1 repeatedly and see it over and over again, and you
2 see a pattern established across a variety of
3 studies with different populations.

4 Q. With regard specifically now to
5 multiple myeloma, can you characterize the, for
6 lack of a better word, rareness of that disease
7 process in the general spectrum of the population?

8 A. Yes. It is by no means an esoteric or
9 rare tumor type. It occurs approximately, and this
10 will vary depending upon who you read and what
11 study you look at, but my best recollection is the
12 last figures that I have seen, it accounts for
13 approximately 1 percent of human cancers. And it
14 probably represents somewhere between 10 and 20 --
15 probably not 20, it's probably 10 to 15 percent of
16 hematopoietic and lymphoid neoplasms.

17 Q. Generally, the other diseases that
18 would fall into that category would be what?

19 A. Leukemias, hematopoietic lymphomas.
20 That would be it.

21 Q. So it would be you said 10 to --

22 A. I would say it's going to be somewhere
23 between 10 and 15 percent.

24 Q. Of all those diseases?

25 A. Yes. It's definitely an age-related

1 disease, which makes it somewhat difficult to
2 evaluate incidence in certain cases.

3 Q. That was the next area of questioning.
4 I was wondering how, in an epidemiological sense,
5 you can get the degree of consistency in findings
6 that you need to form a conclusion that the
7 epidemiology is proven as to causation with a rare
8 disease.

9 MS. ARRAS: I am going to object to the
10 form. He has just testified that it's
11 not rare.

12 MR. SPEARS: That's correct.

13 BY MR. FLERLAGE:

14 Q. Let me try again. Is there enough
15 multiple myeloma to form an opinion based on
16 epidemiology alone as to causation?

17 MS. ARRAS: I am going to object to the
18 form. Are you asking just generally?

19 MR. FLERLAGE: Yeah.

20 MS. ARRAS: In a hypothetical, not
21 specific to any disease process?

22 MR. FLERLAGE: Yes.

23 A. Could you repeat the question, please?

24 Q. Is there enough incidence of multiple
25 myeloma in the population as a whole to form

1 conclusions based on epidemiology as to any
2 causation?

3 A. If you were to ask me that question in
4 the mid '80s, I would have said no. Having
5 reviewed the literature again at Ms. Arras' request
6 in preparation for this case, I am satisfied that
7 there is a sufficient literature base to reach that
8 conclusion.

9 Q. And that's based on your current review
10 in these two cases?

11 A. Yes.

12 Q. Had you performed such a review prior
13 to your testimony in the Ellis case?

14 A. No. As I said, the last time I visited
15 the issue of multiple myeloma was in the mid '80s,
16 and I haven't taken a serious intensive look at
17 that literature prior to preparation for this case.

18 Q. Well, limiting this question to one of
19 those esoteric diseases, whatever it may be, very
20 rare, extremely rare in incidence, is epidemiology
21 a useful tool in determining cause and effect in
22 the context of such a disease?

23 A. Actually, it is. That's because if a
24 disease is so rare that you see extremely few cases
25 in the general population, then when you begin to

1 see cases occurring, your attention is immediately
2 drawn to the fact that there may in fact be a
3 common etiology. So rarity is not always a
4 handicap. It can in fact provide a useful
5 indicator.

6 Q. Is the concept of confidence intervals,
7 the 95 percent and the range of the interval, does
8 that increase with the degree of rarity of the
9 disease?

10 A. It's going to depend on the power of
11 your study. I mean it's not just the incidence of
12 the disease. It also depends upon your study
13 group, how it's designed and what the questions are
14 that you are asking. You have to look at both
15 sides of the equation to determine what goes into
16 determining the probability of a finding or seeing
17 a difference between two compared populations.

18 Q. Doctor, at what point in time did
19 epidemiology become a useful tool in determining
20 cause and effect as a science? Can you answer
21 that?

22 A. I am not sure I understand the
23 question. If you are talking about the very first
24 occupational disease that was ever recognized as
25 such, most texts refer back to scrotal cancer in

1 chimneysweeps in England. It's standard academic
2 fare for introducing the topic of epidemiology.

3 Q. And that would have been how long ago?

4 A. We are talking about 100, 150 years.

5 Q. Doctor, have you discussed either your
6 research or your opinions in this particular case
7 with any other individuals, any other experts, I
8 should say?

9 A. I have had a brief conversation with
10 Dr. Wong and Dr. Bickers in this case.

11 Q. When did you talk to Dr. Wong?

12 A. I believe it was last week.

13 Q. It was prior to his testimony? He
14 testified yesterday in deposition.

15 A. Yes.

16 Q. And Dr. Bickers, when did you speak
17 with him?

18 A. Same time.

19 Q. Did you have a conference call type
20 arrangement?

21 A. I believe so, as I recall.

22 Q. Was it in conjunction with any
23 attorneys?

24 A. Yes, Ms. Arras.

25 Q. Ms. Arras? Okay. At that time,

1 generally, did you discuss each others' opinions?

2 MS. ARRAS: I am going to object. We
3 have established the fact that there
4 was a conversation, and I think if we
5 get into any of the substance of it, we
6 are treading very close to work
7 product. You are going to have an
8 opportunity to question Dr. Bickers. I
9 don't know if you are the person taking
10 the deposition, but his deposition is
11 scheduled for Friday. You can obtain
12 his opinions at that time. You have
13 Dr. Irons' and Dr. Wong's.

14 BY MR. FLERLAGE:

15 Q. Are you basing any of your conclusions
16 or opinions in this case on any conversations you
17 may have had with any other expert?

18 A. No.

19 Q. So whenever this conference call
20 occurred, a week or a week and a half ago, whenever
21 it was, your opinions today are not based on
22 anything that was derived from that?

23 A. Let me clarify that. I have obtained
24 one or two pieces of information with respect to
25 Dr. Wong's own studies that have been useful to me,

1 but I wouldn't say that they played a substantive
2 role in my reaching my opinion.

3 Q. Can you categorize the bits of
4 information you received from Dr. Wong?

5 A. Yeah. I asked him for clarification as
6 to some of the data that he presented in one of his
7 papers.

8 Q. And is that paper in your Exhibit No. 5
9 materials?

10 A. Yes.

11 Q. And which one was that?

12 A. The 1986 petroleum refinery study.

13 Q. Okay. And what information did you ask
14 him?

15 A. I asked him for a breakdown of what
16 multiple myeloma incidence was relative to other
17 lymphoid neoplasms, and he told me.

18 Q. Have you discussed the cases, meaning
19 Stelly and LeBlanc, with any other consulting or
20 non-consulting experts?

21 A. No.

22 Q. Since December, I guess -- I think you
23 talked about this briefly. Since December, have
24 you been deposed in any other litigation matters?

25 A. Yes, two.

1 Q. And do you remember the case names?

2 A. Albertson versus KNE Energy in June,
3 and Conde versus Velsecal in August.

4 Q. Were they chemical cases?

5 A. Yes.

6 Q. What types of substances were involved?

7 A. The allegation in Albertson is benzene.

8 Q. Is it a leukemia case?

9 A. No. I don't know what kind of a case
10 it is. I am still trying to find out what the
11 diseases are.

12 Q. And this is after your deposition?

13 A. That's right.

14 Q. How about the other case?

15 A. There are no leukemias in the Albertson
16 case, I can tell you. Conde versus Velsecal is
17 chlordanes, and it's a variety of allegations
18 related to health effects, none of which are
19 leukemia.

20 Q. May I ask you what your opinion was in
21 the first case?

22 A. That the levels of benzene to which the
23 plaintiffs were exposed does not constitute a
24 measurable health risk, and in -- do you want to
25 know the second one?

1 Q. Let me ask you a little bit about that.
2 Was that based on personal monitoring or some other
3 information you had about the actual exposure
4 levels?

5 A. The exposure levels -- it's a water
6 case basically, and the exposure levels have been
7 measured, or the water levels have been measured by
8 EPA.

9 Q. Okay. In what context was there water
10 exposure?

11 A. Well water.

12 Q. Okay. With regard to the other case,
13 what was your opinion? That would be Conde?

14 A. Yes. Basically that the allegations of
15 the plaintiffs with respect to health effects were
16 not related to chlordane exposure.

17 Q. Okay. Have you ever either been
18 retained by or testified on behalf of a plaintiff?

19 A. I have been retained by three
20 plaintiffs. I have not testified on behalf of a
21 plaintiff.

22 Q. Could I ask you then what percentage of
23 your total litigation work has been defense
24 retention and testimony?

25 A. Probably 80 to 90 percent.

1 Q. About how many litigation cases do you
2 take per year, if you can average it out?

3 A. I prepared, as best as I could, a list
4 of the depositions and trial testimony that I have
5 given.

6 Q. And this starts in 1988?

7 A. Yes. That's the best of my
8 recollection, because I don't keep those records.
9 I just put that together in response to this.

10 Q. And this is, again, based on your
11 recollection, without referring to any specific
12 materials?

13 A. Yes.

14 Q. Did your litigation-based consultation
15 begin then in 1988?

16 A. Yes.

17 Q. And this is the testimony only; it
18 doesn't include the cases where you were retained
19 and did not testify?

20 A. That's correct.

21 Q. Could you give me an estimate of how
22 many additional cases there are under that
23 category?

24 A. That would be -- I really don't have a
25 clear idea on that, because it's very difficult for

1 me to keep cases straight. Sometimes they are put
2 together; sometimes they are split up. I think
3 more in terms of issues than cases.

4 As I said before, it represents about 7
5 to 10 percent of my time. That's about as good an
6 estimate as I can give you.

7 Q. Okay. I guess I had better put a tag
8 on this.

9 (EXHIBIT NO. 7 WAS MARKED)

10 A. It's highly variable.

11 Q. Do you have any records at all that you
12 keep, routine business records which would indicate
13 the number of times you have been retained?

14 A. No.

15 Q. What kind of bookkeeping do you use to
16 keep track of where you stand with litigation
17 matters?

18 A. You are looking at it.

19 Q. So that all comes from up here, right,
20 indicating your head?

21 A. If I keep a piece of paper on a
22 particular case, when the case is concluded I throw
23 the paper away, along with virtually everything
24 else that I receive; otherwise I would be buried in
25 paper.

1 Q. So you don't keep the depositions or
2 anything?

3 A. No.

4 Q. Referring to Exhibit 7, is there any
5 way you can break down the cases in terms of either
6 disease process or chemical involved? I should say
7 chemical or causative agent.

8 A. Chronic myelogenous leukemia, benzene;
9 acute myelogenous leukemia, benzene.

10 Q. When you say AML and benzene, are you
11 talking about the second case there?

12 A. Yes.

13 Q. Okay.

14 A. Chronic lymphocytic leukemia,
15 non-Hodgkin's lymphoma, and angiolymphadenopathy.
16 The allegation there was benzene.

17 Q. Are we on the fifth one now?

18 A. Fourth one. Chronic lymphocytic
19 leukemia and benzene.

20 Q. That would be the fifth one?

21 A. That's the fourth one.

22 Q. Okay.

23 A. Chlordane and rash, eczema. Excuse me.
24 I take it back. I have testified in a plaintiff's
25 case. That makes four plaintiffs' cases.

1 Q. Which one was that?

2 A. Anschutz versus National Lead, and the
3 issue was lead contamination.

4 Q. Water? Airborne?

5 A. Ground.

6 Q. Ground?

7 A. Chronic lymphocytic leukemia, and I
8 think it was butadiene; could have been benzene,
9 could have been both.

10 Q. Which case is that?

11 A. Chambers. Myelofibrosis and butadiene;
12 non-Hodgkin's lymphoma and benzene; benzene and a
13 variety of complaints that could best be described
14 as immune dysfunction. I even hate to say the
15 word.

16 Q. Which case was that?

17 A. Albertson versus KNE. And as I
18 mentioned, Conde was chlordane and a variety of
19 complaints.

20 Q. Was your opinion in each of those
21 cases, save for the Anschutz case or the lead case,
22 that there was no relationship between the disease
23 process and the alleged causative agent?

24 A. Yes. Excuse me. That's not entirely
25 true. For AML and benzene, that is not my opinion.

1 Q. So you find there is a causal
2 connection between AML and benzene?

3 A. Benzene exposure, high levels of
4 benzene exposure.

5 Q. High levels only?

6 A. Yes. It's a dose-related phenomenon.

7 Q. Would the dose be dependent upon the
8 intensity of individual exposure or the duration of
9 exposure or both?

10 A. Both.

11 Q. Let me ask you as a group. In your
12 opinion, would benzene, butadiene, ethylene
13 oxide -- and I will limit it to those three for
14 this deposition -- be classified as carcinogens?

15 A. They would all three be classified as
16 animal carcinogens.

17 Q. In the human context, would they be
18 classified as carcinogens?

19 A. Benzene is classified as a human
20 leukemogen. The other two are not.

21 Q. Would that represent a presently
22 existing conclusion based upon the state of the
23 research into butadiene and ethylene oxide as far
24 as causation is concerned?

25 A. Certainly in terms of my opinion,

1 that's the case. As far as what they are
2 classified on by other agencies, I think it's fair
3 to summarize the state of the art in that since
4 there is sufficient animal data for butadiene, and
5 arguably sufficient animal data for ethylene oxide,
6 that they would in regulatory terms be classified
7 as probable or suspect human carcinogens, simply
8 because there is sufficient evidence in animals.

9 Q. So you are talking about as far as OSHA
10 or EPA would be concerned?

11 A. EPA, not OSHA.

12 Q. And that would be your understanding of
13 how they would characterize butadiene and ethylene
14 oxide?

15 A. Yes.

16 Q. Is benzene a complete carcinogen in
17 terms of leukemia or bone marrow processes?

18 A. I believe the term is misleading, an
19 oversimplification of the case, but in the context
20 of chronic exposure to benzene, by itself, lead to
21 the development of AML, the answer is yes.

22 Q. Are you limiting that solely to AML?

23 A. Yes.

24 Q. It doesn't move to CML; it doesn't move
25 to any of the other leukemias?

1 A. There is no evidence to support that.

2 Q. Okay.

3 A. Obviously there are several variants of
4 AML that are included in that grouping. When I
5 talk about AML, I am talking about the major
6 variants of AML as well, for the most part.

7 Q. And I think early on we established
8 your opinion, but let me get it again. Any of the
9 three chemicals, benzene, butadiene and ethylene
10 oxide; what's your opinion to a reasonable degree
11 of scientific certainty as to whether or not they
12 are capable of inducing a multiple myeloma in a
13 human being?

14 A. There is not sufficient scientific or
15 medical evidence to support that conclusion.

16 Q. Would you consider butadiene or
17 ethylene oxide to be a promoter of a multiple
18 myeloma?

19 A. No. I think that's a misstatement.
20 There are no initiation-promotion paradigms for any
21 leukemia or lymphoma model in man or experimental
22 animals. If you look at the characteristics of the
23 promoters that have been studied in typical liver
24 and skin tumor models, these compounds don't have
25 any of the characteristics of promoters. There is

1 no evidence to even, I think, support any
2 speculation of butadiene as a promoter.

3 Q. Okay. Is butadiene known to cause any
4 disease in man?

5 A. No.

6 Q. Is ethylene oxide known to cause any
7 disease in man?

8 A. It can cause toxicity, especially at
9 high doses.

10 Q. Toxicity would be a non-malignant type
11 reaction?

12 A. Yes.

13 Q. Would that be liver toxicity?

14 A. To the best of my recollection, acute
15 exposure may cause some respiratory distress. It's
16 going to cause some hemolytic anemia and some
17 problems associated with red cell function and
18 metabolism. It will lead to an anemia.

19 Q. Would it be your testimony then that
20 butadiene is not a potentially harmful substance
21 when it results in exposure to human beings?

22 MS. ARRAS: I am going to object to the
23 form.

24 BY MR. FLERLAGE:

25 Q. If you can answer it.

1 A. As a toxicologist, I don't think there
2 is any non-toxic substances. I think everything is
3 toxic, including water. It depends on root. It
4 depends on dose. It depends on the specific
5 condition under which the exposure occurs.

6 I think that the epidemiology
7 associated with butadiene toxicity in man,
8 especially as it relates to carcinogenesis, is
9 inconsistent. It is confusing. It is inadequate,
10 and it does not establish a clear pattern of
11 anything, which is what I think the state of the
12 art is today.

13 Q. Do you intend to speak to state of the
14 art in terms of when it was known or when it should
15 have been known within industry that benzene was a
16 potentially harmful substance?

17 A. A potentially harmful substance?

18 Q. Yeah.

19 MS. ARRAS: I am going to object to the
20 form.

21 A. As I said before, I think everything is
22 a potentially harmful substance. It's a matter of
23 dose. It's a matter of root. It's a matter of
24 regimen. From that standpoint, it becomes -- I
25 find it difficult to answer your question globally.

1 Q. Are you familiar with the API report on
2 benzene, which came out in 1948 I believe?

3 A. I am sure I have seen it.

4 Q. Okay. If in that report benzene was
5 described as a toxic substance, would that indicate
6 a certain state of knowledge of the API with regard
7 to the potential harmful effects of exposure to
8 benzene?

9 A. First of all, I wouldn't consider that
10 document to be authoritative with respect to the
11 science. Second of all, I think it's clear that
12 throughout the '30s, '40s, '50s, and even the '60s,
13 there is a body of literature that grew to indicate
14 that benzene in high concentrations caused blood
15 dyscrasias, bone marrow suppression, aplastic
16 anemia, thrombocytopenia, a variety of
17 abnormalities associated with that. I don't think
18 that's an issue. The issue is the dose and what
19 those abnormalities were.

20 Q. Is that a sufficient body of
21 information to cause a reaction among industry
22 members to take precautions against potentially
23 toxic exposures to benzene?

24 A. I think that if you have knowledge of
25 potential toxicity associated with any exposure

1 scenario, regardless of whether it's benzene or
2 anything else, that it is prudent to take steps to
3 limits those exposures. The question is one of
4 threshold and one of dose.

5 Q. Are you aware of what steps were taken
6 by industry in general to determine what the toxic
7 levels of benzene exposure would have been in let's
8 say the '30s and '40s?

9 A. I am aware of literature. Other than
10 that, I am not aware of anything besides what
11 appeared in the published literature.

12 Q. And what published literature are you
13 referring to, if you can be specific at all?

14 A. The sum total case reports, isolated
15 case reports, as well as the collections of cases
16 and the epidemiology studies that followed them.

17 We are not talking about a specific
18 point in time when recognition of toxicity
19 occurred. Science doesn't work that way. I think
20 it's fair to say that by the '60s, there was an
21 appreciation that benzene could cause bone marrow
22 suppression. That's the sum total accumulation of
23 a lot of different reports in different
24 publications.

25 Also, the whole process of recognizing

1 the toxicity of benzene and what its potential
2 toxicity was coincided with an increase in
3 sophistication in hematology in general.

4 Q. I don't want to put words in your
5 mouth, but are you referring to individual case
6 studies of the incidence of leukemia and
7 benzene-exposed populations during the 1930s and
8 '40s when you talk about existing bodies of
9 literature?

10 MS. ARRAS: I am going to object to the
11 form.

12 A. There are isolated case reports which
13 began to appear in the '30s. The very first
14 controlled study that was ever done, and it's by no
15 means a paradigm of experimental design, is a study
16 by Goldwater, et al, looking at the lithography
17 industry in New York. That was 1939-1941. That
18 study demonstrated that under high levels of
19 exposure, benzene could cause bone marrow
20 suppression.

21 And as I said, without referring back
22 to the literature, I can't tell you the specific
23 number of cases, but there were cases reported by
24 Hunter. There were cases that were reported
25 earlier than that, one or two here and there, a

1 handful, and that continued up through the '60s.

2 An individual case doesn't really
3 provide you with I think sufficient information to
4 draw a conclusion. All it can do is draw attention
5 to a potential problem. Certainly by the mid '60s,
6 there was reason to be concerned about high-level
7 exposure to benzene.

8 Browning, in 1965, published a
9 collection of cases that certainly was not
10 definitive or controlled, but provided sufficient
11 evidence that there was something to be concerned
12 about and that it should be studied.

13 About the same time, the late '60s, we
14 have studies coming out of Italy and Turkey.
15 Vigliani published a collection, again, of cases;
16 not controlled, but nevertheless pointing a finger
17 at benzene and high-level exposure in terms of --
18 now we are talking about not only bone marrow
19 suppression, but also leukemia.

20 The issue of bone marrow suppression I
21 think is one that people began to realize was a
22 problem somewhere between the '40s and the '60s.
23 Certainly by the mid '60s it was established.

24 Q. As a toxicologist, given an
25 understanding of potential danger to high-level

1 exposure to benzene, what in your opinion should
2 have been done to protect people exposed to high
3 levels of benzene in industry?

4 MR. MCCALL: I am going to object to
5 the form of the question, Counsel, as
6 overlybroad. I mean the use of the
7 term "industry" without any further
8 definition and identification of what
9 people you are talking about, I think
10 that's just objectable as to form

11 MR. SPEARS: I would echo that, and
12 also you didn't qualify it as to what
13 point in time we are talking about.
14 1990s? '80s? 1800s? What are we
15 talking about?

16 MS. ARRAS: I also object that it's
17 beyond the scope of the witness'
18 expertise.

19 BY MR. FLERLAGE:

20 Q. Can you answer it?

21 A. Could you state the question again?

22 Q. I think I better have it read back.

23 (RECORD READ BACK BY REPORTER)

24 A. As a toxicologist, I am neither an
25 engineer, nor am I an industrial hygienist, so I

1 cannot speak to specific measures that should or
2 should not be taken. But I think that the basis of
3 your question -- your question formulates the basis
4 of my answer, and that is to eliminate high-level
5 exposure. I mean it's not rocket science.

6 Q. Okay. Exposure; we keep talking about
7 exposure. What are the methods by which persons
8 can become exposed to benzene?

9 A. Certainly at high levels or significant
10 levels, exposure is usually associated with
11 contingent events, whether they be fugitive
12 emissions or spills or leaks or misuse of the
13 chemical. Certainly in terms of cumulative risk
14 assessment, there is a great deal of concern in
15 many circles today over a chronic level of exposure
16 from water or air, cigarette smoking, a variety of
17 other sources.

18 Q. Bottom line is you either breath it in
19 or you absorb it through your skin or you ingest it
20 somehow?

21 A. Those are the principal routes. I
22 think that under most circumstances the major root
23 for significant absorption of benzene is
24 inhalation. Even in the context of skin exposure,
25 the situation almost always favors inhalation as

1 the major root.

2 Q. Are benzene metabolites created by the
3 body within the lungs and the liver?

4 A. Predominantly the liver. One could
5 argue or speculate as to the role of the lung, but
6 I think the liver is by far the most important.

7 Q. And the roots by which benzene reaches
8 the liver would be any of the three that we have
9 talked about; that would be ingestion, absorption
10 or inhalation?

11 A. Yes. There are some differences.
12 Obviously, in terms of those roots, there are some
13 subtleties. But certainly in the case of
14 inhalation, the liver is the principal metabolic
15 organ, and even for ingestion, you are going to
16 have a first pass effect, but still, the liver is
17 going to be the first order.

18 Q. Is there any indication that the bone
19 marrow itself creates the metabolites that cause
20 the damage done in the bone marrow? And I am
21 talking about benzene right now. In other words,
22 does the benzene itself, as benzene, reach the bone
23 marrow, and can it be metabolized therein?

24 A. I have done some early work looking in
25 rats with respect to the innate ability of the bone

1 marrow to metabolize benzene, and if my
2 recollection is correct, it's on the order of
3 1/10,000 of a percent of the rate of metabolism
4 that goes on in the liver.

5 I think there is some evidence to
6 suggest that you can actually measure benzene
7 metabolism detected in human bone marrow. But by
8 the same token, that's not been really thoroughly
9 studied, and one of the reasons is because it is
10 such a minor source for metabolism. Certainly in
11 all the studies of toxicity that I am aware of, the
12 influence of metabolism on toxicity is due to the
13 liver.

14 Q. Okay. The reason I asked those
15 questions is I wanted to find out if there is a
16 difference or if it's understood that there is a
17 difference between the way butadiene and/or
18 ethylene oxide would be metabolized by the body.

19 A. Well, I haven't really finished benzene
20 yet.

21 Q. I'm sorry. Go ahead, any way you want
22 to answer it.

23 A. You are dealing with a very complex
24 issue. In the case of benzene, primary metabolism
25 occurs in the liver. This is a detoxification

1 process primarily.

2 At the same time, you have secondary
3 metabolism at the level of the bone marrow, and I
4 think tertiary metabolism at the level of the bone
5 marrow. This is very important, because in the
6 absence of that metabolism you are not going to get
7 the pattern of metabolite accumulation in the bone
8 marrow that you do, which makes the bone marrow a
9 target for benzene. And it's those secondary
10 metabolites and that secondary, tertiary metabolism
11 that's important.

12 In the case of butadiene, we have
13 evidence to suggest that butadiene is metabolized,
14 certainly in the mouse, in both the liver and the
15 bone marrow. It may be metabolized in other organs
16 as well. It is not metabolized by the same enzyme
17 systems per se. It's a totally different animal
18 with respect to metabolism.

19 Ethylene oxide is a direct acting
20 compound and does not require metabolism to produce
21 toxicity directly. What that means is, obviously,
22 if you get ethylene oxide to a site, it has the
23 potential to react with those molecules, but there
24 are a sea of molecules it's going to encounter
25 en route, and so the primary effects of ethylene

1 oxide are going to be more proximate than the
2 primary effects of butadiene or benzene.

3 Q. Would the butadiene and/or ethylene
4 oxide metabolites, which in the case of at least
5 ethylene oxide do happen to reach the bone marrow,
6 assuming they did, would they react on the same
7 cells that the benzene metabolites react on,
8 initially?

9 A. It's not so much a function of reaction
10 on -- I mean if a compound is present and a cell is
11 present, there is potential for a reaction. But in
12 general, when you look at differential toxicity,
13 what you find is it's the susceptibility of
14 individual cells and their capability to either
15 activate or detoxify that molecule that determines
16 their susceptibility and what the pattern of
17 toxicity will be. It just isn't simply getting a
18 molecule to a given cell. That plays a role, but
19 it is only one step in the process.

20 Q. Am I given to understand from your
21 testimony that detoxification is more complete in
22 the cases of butadiene and ethylene oxide than it
23 would be in the case of benzene?

24 A. No, not -- it depends upon what
25 question you are asking. I am saying that the

1 delivery of a molecule that can react potentially
2 with a cell to a given cell is dependent on, one,
3 its initial reactivity. If I mean if it's so
4 reactive -- if you, for instance, inhale an
5 extremely -- let's take hypothetically a very
6 reactive molecule, and ethylene oxide is certainly
7 a reactive molecule.

8 To speculate on its reaching a site
9 such as the bone marrow in sufficient concentration
10 to produce toxicity is a much greater reach than it
11 is for something like benzene, where benzene itself
12 is not toxic, except when it becomes a solvent, in
13 extremely high concentrations. It has to be
14 metabolized in the liver. The metabolites are
15 relatively stable. They can be transported to the
16 bone marrow, where they can undergo secondary
17 metabolism to form highly reactive metabolites that
18 are not stable and can't move around.

19 As I said, it becomes a very complex
20 issue, and it's hard to generalize. If you ask a
21 specific question, I can do any best to address it,
22 but it's hard in global terms to describe
23 individual features that will allow to you predict
24 the toxicity of a given compound.

25 Q. Let's put it in terms of leukemia, AML;

1 does ethylene oxide, in your opinion, cause
2 leukemia?

3 MR. McCALL: Are you saying "AML"?

4 BY MR. FLERLAGE:

5 Q. Let's make it AML.

6 A. I think the evidence for ethylene oxide
7 causing leukemia in man is insufficient and
8 contradictory. We have some relatively small
9 studies, actually very small studies, that don't
10 really evaluate exposure well, certainly in the
11 initial case, and that are not very well
12 controlled, that have an extremely low incidence.
13 In fact, I think we are talking in the initial
14 paper approximately one leukemia and one lymphoid
15 neoplasm that was reported.

16 And on the other hand, we have a study
17 by Morgan which basically refutes that, that finds
18 no relationship between ethylene oxide and
19 hematopoietic or lymphoid neoplasms. We have
20 confounding exposure scenarios, certainly in the
21 first case, and on the basis of that literature I
22 don't think you can draw a conclusion that in fact
23 ethylene oxide is associated with hematopoietic or
24 lymphoid neoplasms.

25 Q. That's based on epidemiology, though?

1 A. Yes. It's not based on mechanistic
2 studies of either animals or human experience?

3 A. I think the animal studies don't help
4 us, certainly not to date. I don't think they
5 allow us to draw that conclusion or to support or
6 refute the epidemiology.

7 In order to do mechanistic studies, you
8 have to frame a fairly well-focused hypothesis,
9 which means you have to have a relationship that
10 you can then test. I don't think the epidemiology
11 studies bring us to that point with ethylene oxide.

12 (OFF THE RECORD DISCUSSION)

13 BY MR. FLERLAGE:

14 Q. I do want to try and ask you that same
15 question, limiting it to AML. Does butadiene cause
16 AML?

17 A. I don't think that there is sufficient
18 evidence to indicate that it does. I think you
19 have very conflicting literature base. You have an
20 initial study that did not have sufficient power to
21 answer the question, but suggested there might be
22 an increase.

23 You have got other studies that
24 actually see a deficit of AML. You have got a
25 study that saw no increase in AML in a

1 retrospective cohort, and then perhaps an increase
2 in a subpopulation in a case-control.

3 Based on the power of that study, those
4 two findings are inconsistent, and probably have to
5 do with problems of assignment in exposure
6 category. At the present time, I don't think there
7 is sufficient pattern or consistency in the
8 epidemiologic literature to say anything about
9 butadiene.

10 Q. Is there a sufficient mechanistic study
11 of the metabolic processes of butadiene to arrive
12 at a conclusion as to whether it's metabolized the
13 same way as benzene is?

14 A. It's not.

15 Q. In what ways is it different?

16 A. Well, it doesn't form the same
17 molecules. It has a totally different metabolic
18 pathway.

19 The initial metabolism of butadiene
20 involves the primary hydroxylation or epoxidation
21 to form a monoepoxide, epoxibutene. Secondary
22 metabolism after that I think is not at all well
23 characterized. I think the literature that's out
24 there to date is probably, for the most part,
25 erroneous with respect to the role of secondary

1 metabolism of butadiene, and that is part and
2 parcel of what we are researching at the present
3 time.

4 Q. So there is no determination, no
5 conclusion as to whether the metabolites of
6 butadiene are capable of reaching the bone marrow;
7 is that the idea?

8 A. No. I think that there is certainly
9 evidence in the mouse that the primary metabolite
10 does reach the bone marrow, and it does produce
11 some relatively specific effects on bone marrow
12 cells. We haven't completed those studies, but it
13 certainly looks as though it has some effects.
14 They are totally different than benzene.

15 Q. In other words, the effects are
16 totally different than benzene?

17 A. The cell types and the responses that
18 are seen in those cells are different. And
19 certainly from a mechanistic standpoint, butadiene
20 and benzene are not mechanistically linked.

21 Q. Okay.

22 A. So I would not, as a matter of fact,
23 expect to see the same pattern of toxicity in man.

24 Q. Okay. Doctor, from your review of the
25 information that you received from counsel with

1 regard to the employment backgrounds of Mr. LeBlanc
2 and Mr. Stelly, do you have an opinion to a
3 reasonable degree of scientific certainty as to
4 whether, first of all, Mr. LeBlanc was exposed to
5 benzene during his career?

6 A. Could I see my notes?

7 Q. Sure. Help yourself. Here they are
8 right here.

9 A. Well, to clarify, let me clarify one
10 issue. Benzene is ubiquitous. If you are going to
11 talk about exposure, we have all been exposed.

12 Q. How about occupationally exposed?

13 A. I have no information that's been
14 provided to me that would lead me to reach a
15 conclusion as to any exposure to benzene from an
16 occupational standpoint.

17 Q. Either way; he was not or he was?

18 A. That's correct.

19 Q. Would the same be true then in
20 Mr. LeBlanc's case for butadiene and ethylene
21 oxide?

22 A. Yes. To the extent that I find no --
23 there is no evidence that I have seen in terms of
24 their depositions that allow me to reach a
25 conclusion that they have been exposed to

1 significant levels of these agents.

2 Q. That would be all three of the agents;
3 benzene, butadiene, ethylene oxide?

4 A. Yes.

5 Q. Is there information which would lead
6 you to conclude that Mr. LeBlanc was not exposed to
7 any of the three substances?

8 A. Again, you can't prove a negative,
9 certainly from a scientific standpoint, so I am
10 certainly not going to generalize that they have
11 never been exposed to these agents. I just said,
12 for instance, that everyone has been exposed to
13 benzene. Everybody has mostly likely been exposed
14 to ethylene oxide. The only one that I would say
15 there is not a great deal of opportunity for
16 exposure in the general population is butadiene.

17 Q. Doctor, to the best of your knowledge,
18 was Mr. LeBlanc's case originally in with the Ellis
19 group?

20 A. I have no idea.

21 Q. There was one thing in this deposition
22 that was puzzling to me.

23 MS. ARRAS: Perhaps we could clarify;
24 that was Maxie LeBlanc.

25 MR. FLERLAGE: That was a different

1 LeBlanc?

2 MS. ARRAS: Yes.

3 MR. FLERLAGE: Oh, okay. Thank you.

4 So there were two LeBlancs we are
5 talking about then. It wasn't on the
6 caption anywhere, and I just didn't
7 know.

8 BY MR. FLERLAGE:

9 Q. Okay. In Mr. LeBlanc's case, from the
10 information you received either from in his
11 deposition or from counsel in summary form, can you
12 tell me where Mr. LeBlanc was employed, where he
13 would have been exposed occupationally to benzene
14 or potentially could have been exposed to benzene?

15 MS. ARRAS: I believe the doctor has
16 testified that he doesn't see the
17 evidence of exposure to benzene in the
18 records, so therefore it would be
19 difficult for him to answer where he
20 thought there was exposure.

21 BY MR. FLERLAGE:

22 Q. Is that a fair summary of what you
23 said, Doctor, that you can't find any evidence that
24 he was exposed to benzene?

25 A. I don't find any evidence that would

1 lead me to be able quantify or qualify his exposure
2 to benzene in an occupational setting.

3 Q. Well, that's different than counsel
4 just said, quantify or qualify. Quantify means put
5 a number on the level of exposure?

6 A. Or make any statement whatsoever with
7 respect to whether it was a little, none, trivial,
8 a lot, more. I mean there is just no -- I have no
9 data.

10 Q. What was Mr. LeBlanc's trade?

11 A. He was involved in construction,
12 primarily roofing, both resident and commercial.
13 He did some carpentry, but not in the context of
14 working in commercial plants. He worked in
15 pipewrapping and in roofing for various periods of
16 time.

17 His exposure history with respect to --
18 occupational history with respect to the petroleum
19 industry and chemical industry appears to be
20 primarily from 1962 to 1985. During that period of
21 time, he worked only part-time as a contractor at
22 those facilities, and he spent about half of his
23 time doing roofing.

24 Q. Based upon your review of the records
25 of deposition materials and other summary materials

1 provided from counsel then, your assessment of his
2 potential exposure to benzene would be limited to
3 that time period, '62 through '85? And I am
4 talking, again, about occupational exposure. I
5 left that out. I'm sorry.

6 A. I don't think that's a fair statement
7 either, because certainly in the roofing trade,
8 working with tar pitch, asphalt, petroleum
9 distillates, what have you, since the '40s, the
10 potential for exposure to a wide variety of agents,
11 including benzene, would exist in that environment,
12 independent of the exposure that took place or that
13 could have taken place between 1962 and 1985.

14 Q. Did Mr. LeBlanc perform any
15 pipewrapping which involved the use of asphalt at
16 the plants, the petroleum or chemical plants that
17 are involved in this case?

18 A. He described pipewrapping that involved
19 the use of a product that required high heating in
20 order to apply it, and I suspect that that was
21 either a tar pitch or asphalt-based product.
22 That's the extent of my knowledge.

23 Q. You wouldn't know the chemical makeup
24 of that substance then?

25 A. I vaguely remember some discussion of

1 it in his deposition, but I don't recall at the
2 present time.

3 Q. Did Mr. LeBlanc do any asphalt-based
4 roofing or tar pitch-based roofing at any of the
5 chemical or petroleum plants involved in this case?

6 A. That is my understanding.

7 Q. And what then is your understanding of
8 the type or extent of such work that he did at
9 these plants?

10 A. Again, it's very vague, but my
11 recollection is that it was approximately 50
12 percent of his time.

13 Q. Are you able to conclude from the, as
14 you describe it, I guess limited information that
15 you have got on exposure that Mr. LeBlanc's
16 exposure to asphalt or tar pitch during those
17 periods of time that you have described was a
18 potential cause of his disease process?

19 A. I think the sum total of epidemiologic
20 evidence with respect to occupational exposure and
21 multiple myeloma allows one to make a clear
22 assignment of causation to radiation exposure,
23 gamma radiation.

24 I think that as of today, there is
25 probably sufficient evidence to link farming and

1 work with animals to multiple myeloma. When you
2 get beyond that, you get into the area of
3 hypothesis, where there have been various
4 relationships suggested in various studies, others
5 in others, and you have an inconsistent database
6 with respect to the relationship between exposure
7 in a given situation and development of multiple
8 myeloma.

9 I would say that with respect to the
10 hypothesis that benzene causes multiple myeloma or
11 that exposure to benzene does, and the evidence to
12 support that, there is such just as much evidence
13 to link exposure to coal tar-based products;
14 asphalt, pitch.

15 There is actually evidence in the
16 literature to suggest that exposure to vitamin C or
17 laxatives actually has a higher association or a
18 greater association with multiple myeloma than
19 benzene.

20 I think it's arguable for any of these
21 various hypotheses whether there is a causal
22 relationship. It's the weight of the evidence and
23 the quality of the evidence, and I don't think the
24 evidence supporting benzene is any stronger than
25 the evidence supporting coal tar or the evidence

1 supporting a variety of other alleged potential
2 relationships.

3 Q. Is there in there any evidence that
4 Mr. LeBlanc used laxatives regularly during his
5 life?

6 A. I don't recall any information on that
7 from his record.

8 Q. Is there any evidence in the case that
9 Mr. LeBlanc was exposed to radiation during his
10 career?

11 A. He was exposed to radiation therapy
12 after diagnosis, but I have no knowledge of
13 radiation exposure prior to that.

14 Q. Okay. Is there any way you can arrive
15 at a conclusion that the combination of his
16 exposures that we have described, whether it be
17 coal tar or tar pitch or asphalt and benzene, while
18 working at one of these plants, the combination of
19 those two, may be causative or the combination of
20 those may act synergistically in causing his
21 disease process?

22 MR. McCALL: Let me object to the form
23 of the question as a
24 mischaracterization of his prior
25 testimony insofar as referring to

1 benzene as a cause.

2 BY MR. FLERLAGE:

3 Q. Let me retry the question. Assuming
4 that Mr. LeBlanc was occupationally exposed to
5 benzene during his career, and assuming that he was
6 exposed to asphalt and tar pitch during his career,
7 as you have described from the deposition and what
8 have you, are you able to state to a reasonable
9 degree of scientific certainty as to whether there
10 might be a synergistic relationship between the two
11 substances in causing his multiple myeloma?

12 MR. McCALL: Let me object to the form
13 again as assuming facts not in
14 evidence.

15 MS. ARRAS: I join.

16 A. No. It would be wild speculation to
17 attempt to hypothesize interactions between those
18 compounds. I don't think that the database
19 literature with respect to epidemiology would allow
20 you to make any association like that.

21 My own personal experience in the last
22 few years with respect to mechanistic research
23 doesn't support that either. My experience is that
24 the responses and the effects that various
25 compounds have on cells within the hematopoietic

1 and lymphoid system are highly specific, and you
2 cannot predict interactions similarly on the basis
3 of hypothesizing that two compounds may have the
4 same target tissue.

5 Q. Now was Mr. LeBlanc a smoker?

6 A. I believe he was.

7 Q. Can you put it into packs per day or
8 pack-year history?

9 A. I didn't do that.

10 Q. Can you remember whether or not he was
11 a heavy smoker, moderate, light smoker, at least
12 from what you can remember of the information?

13 A. No.

14 Q. Do you remember what tobacco products
15 he may have smoked?

16 A. I believe it was cigarettes, but I
17 don't recall specifically.

18 Q. Is smoking history at all important as
19 a consideration in determining whether or not any
20 of his occupational exposures caused his multiple
21 myeloma?

22 A. With respect to smoking history, I
23 would say that it's only important with respect to
24 comparative exposure. If you are going to allege
25 or hypothesize that low level or ambient exposure,

1 cumulative exposure to a compound such as benzene
2 or butadiene plays a role in causation, then I
3 think one has to take into account smoking history.
4 In that context, smoking becomes probably the most
5 significant source of cumulative exposure to either
6 of those two compounds. From the standpoint of
7 causation and biology, no, I don't think that
8 that's an issue.

9 Q. Okay. I guess I better ask, to a
10 reasonable degree of scientific certainty, do you
11 have an opinion as to whether cigarette smoking
12 played a role in Mr. LeBlanc's multiple myeloma?

13 A. I'm sorry. Could you reread the
14 question?

15 Q. Let me just do it again, okay? To a
16 reasonable degree of scientific certainty, do you
17 have an opinion as to whether Mr. LeBlanc's smoking
18 history played a role in the causation of his
19 multiple myeloma?

20 A. For multiple myeloma, there is no
21 evidence to support or refute that.

22 Q. Now you have talked about exposure to
23 animals as being epidemiologically associated with
24 multiple myeloma in human beings; is that correct?

25 A. Yes.

1 Q. Okay. Mr. Stelly; do you have a
2 history on Mr. Stelly?

3 A. Yes.

4 Q. Do you find any evidence in his history
5 of exposure to animals?

6 A. Yes.

7 Q. Is that important in your consideration
8 of causation of his multiple myeloma?

9 A. I think the evidence to support
10 association with farming, ranching and exposure to
11 domestic animals is probably more impressive than
12 the evidence for any of the other causes we have
13 talked about, with the exception of radiation.

14 So certainly as far as assigning a
15 potential causation or a potential cause for the
16 development of his multiple myeloma, that certainly
17 is one that would be high on my list.

18 Q. From the history you received of
19 Mr. Stelly, and I am limiting it to work history or
20 exposure history right now, can you tell me how
21 long he was exposed to ranching or farming?

22 A. Well, from his deposition, he worked in
23 his early years with his father on a dairy. In
24 1947, after graduating from high school, he was
25 involved in ranching, vaccination, dipping cattle.

1 He was basically working as a rancher with cattle.

2 At various other times in his career he
3 worked with animals. In 1960 to '61, he was a
4 ranch foreman, worked with cattle. He had a horse
5 accident in 1986, so my assumption is that he has
6 probably spent the greater part of his life in
7 intimate contact with animals, although the times
8 that I have mentioned were times when he worked
9 full-time in that industry.

10 Q. Can you assign a duration to the
11 exposure to animals that Mr. Stelly would have
12 sustained during his life?

13 A. Not accurately. I think it's obviously
14 very significant prior to high school, and he
15 worked full-time in 1947 in ranching. Then he
16 worked again full-time in 1960 in ranching.

17 Q. Is that a significant enough exposure
18 to animals to induce a multiple myeloma in a human
19 being?

20 A. I think if you look at the
21 epidemiologic literature, assigning a quantitative
22 value to that kind of exposure is extremely
23 difficult, because there are any number of
24 different exposures that one could hypothesize are
25 involved.

1 It's been hypothesized for years that
2 ranching involves antigenic stimulation. It
3 involves exposure to fertilizer. It involves
4 exposure to feces and animals, viruses, to
5 pesticides and a variety of other very complicated
6 chemical exposure and agent exposure scenarios.

7 At this point in time, I don't think
8 that it's possible to assign a quantitative value
9 to a given exposure. I think all one can conclude
10 is that there does appear to be a growing
11 appreciation, both quantitatively as well as
12 qualitatively, of a relationship between multiple
13 myeloma and the farming industry, and he has been
14 involved in that industry.

15 It's not trivial; it wasn't a day or a
16 week or a month. He has had considerable
17 experience in the ranching and dairy industry.

18 Q. But what I am getting at is are you
19 going to state an opinion that his animal or farm
20 exposure is what caused his multiple myeloma?

21 A. I am simply saying that if you are
22 going to attribute a cause to his multiple myeloma,
23 that that would be the first thing on my list. I
24 am not prepared to say that that is the cause of
25 his multiple myeloma.

1 Q. Okay. Are you able to characterize
2 from Mr. Stelly's occupational history whether or
3 not he was exposed to benzene during his career?

4 A. No.

5 Q. And why is that?

6 A. Again, there is no information, either
7 qualitatively or quantitatively, that I have read
8 in his deposition that would allow me to do that,
9 and I have seen no records of potential exposure
10 that was associated with the activities that he
11 describes.

12 Q. He was a pipefitter; is that correct?

13 A. Yes.

14 Q. Was there any anecdotal information
15 from any source which would describe the types of
16 pipefitting work he did in the chemical and
17 petroleum plants at which he worked?

18 A. Yes. He talked about cracking pipes
19 and occasionally smelling the material that was in
20 the pipes. But there is no way from those
21 descriptions that you can draw any conclusions with
22 respect to benzene exposure or what the levels
23 might or might not have been.

24 Q. Did he describe working on any benzene
25 systems at all in any of the plants? In the

1 pipefitting sense now I mean.

2 A. I would have to reread it to see if he
3 specifically discussed benzene. I am sure he
4 worked on pipes that had to do with various feed
5 stocks and/or processes that may have contained
6 some benzene, but again, drawing any conclusions as
7 to what that exposure was, you can't do it from
8 what I have read. I certainly can't.

9 Q. In Mr. Stelly's case, is there any
10 evidence that Mr. Stelly may have been exposed to
11 gamma radiation during his career?

12 A. Not to my knowledge.

13 Q. You also received medical records and a
14 medical summary I believe from counsel; is that
15 correct?

16 A. Yes.

17 Q. Do you have an opinion to a reasonable
18 degree of scientific certainty as to whether or not
19 Mr. Stelly, first of all, suffers from multiple
20 myeloma?

21 A. He was diagnosed as having plasma cell
22 myeloma, which I would consider multiple myeloma,
23 same category.

24 Q. So you take no issue with the
25 diagnosis --

1 A. No.

2 Q. -- of the disease process?

3 A. No.

4 Q. In Stelly's case?

5 A. That's correct.

6 Q. Mr. LeBlanc, the same questions; do you
7 agree or disagree that he has what would be termed
8 multiple myeloma?

9 A. In terms of what I have seen, again,
10 it's multiple myeloma. I don't have any real
11 problems with that.

12 Q. Will you be rendering any form of
13 opinion with regard to a prognosis for these two
14 gentlemen?

15 A. No.

16 Q. I have asked you a couple of questions,
17 Doctor, about synergy or synergistic relationships.
18 Is there any place in a discussion of multiple
19 myeloma for the concept of synergy between various
20 chemicals or substances?

21 A. I don't think we know enough about that
22 to draw a meaningful conclusion, and it would be
23 rank speculation. You could just as easily, for
24 any of these issues of causation, speculate that
25 there are potential interferences that could play a

1 role in protecting against the development of the
2 disease. It's a very complicated issue that, from
3 a mechanistic standpoint, I don't think is served
4 by superficial speculation.

5 Q. All right.

6 A. We have no evidence to support that.

7 Q. Doctor, in the case of benzene and AML,
8 at what exposure levels, if you can characterize it
9 in any form, is benzene capable of inducing an AML
10 in a human being?

11 A. I have probably been asked that many
12 times. I couldn't count the number of times.
13 Certainly if you look at the sum total of the human
14 literature; epidemiology studies, case reports,
15 which are usually not very useful, case-control or
16 collections of cases, it's relatively well
17 accepted, generally accepted, that exposure to
18 benzene in concentrations of 100 ppm or greater for
19 a significant period of time, usually on the order
20 of years, in some cases many years, will lead to
21 blood dyscrasias, lead to bone marrow suppression
22 in a majority of individuals so exposed.

23 In a relatively small proportion of
24 those, one will see the development of acute
25 myelogenous leukemia or one of its variants. That

1 data is not controversial.

2 There is some evidence to suggest that
3 exposures in the range of 50 to 100 parts per
4 million may produce some bone marrow toxicity and
5 might produce AML. I personally am concerned about
6 cumulative exposures in that range, but again, we
7 are talking threshold, so it's not just cumulative
8 exposure. But chronic exposure in the 50 to 100
9 parts per million range is subject for concern.

10 As we go down in concentration, our
11 data becomes much less reliable, much less sound,
12 and much, much sparser, more sparse. There have
13 been allegations and assumptions that exposures in
14 the 25 to 50 ppm range may be capable of causing
15 AML. I think the data is extremely unreliable, and
16 it's very difficult to draw a conclusion.

17 I think that conclusions associated
18 with potential causation below that are virtually
19 speculation. We really have no data below 10.
20 Between 10 and 25, I think it's highly speculative.

21 So if you are asking me what level
22 causes it, all I can do is really look at the
23 strength, the nature of the evidence. 100,
24 definitely; 50, maybe; between 25 and 10 and 50, I
25 think the data is extremely insufficient to draw a

1 conclusion.

2 Q. When you are talking about 100 parts
3 per million or 50 or 25 to 50 or 10 parts per
4 million, are you talking about time-weighted
5 averages now?

6 A. Okay. Certainly chronic exposure to
7 100 ppm time-weighted average for any length of
8 time is a hazard. The risk is extremely high for
9 blood dyscrasias, for bone marrow suppression, and
10 in some individuals, there is the potential to
11 develop leukemia.

12 If what you are asking me is do I
13 distinguish between cumulative exposure and actual
14 time-weighted average, yes, I do. Mathematically,
15 it's very expedient, especially in a regulatory
16 context, to discuss cumulative exposure in ppm
17 years.

18 For instance, 100 ppm -- well, that's
19 ridiculous. Let me back off. Let's take 50 ppm
20 years or 75 ppm years. If you are talking about
21 exposure to 75 parts per million for one year as
22 being equal to 1 part per million for 75 years, I
23 will say nonsense. I think 1 part per million for
24 75 years in no way, shape or form has been
25 established as causal, whereas 75 ppm for one year,

1 I would be concerned about.

2 I think cumulative exposure is
3 misleading with respect to causation. It's a much
4 more complicated picture than that.

5 Q. Isn't there some evidence in research
6 and/or in the literature that repeated smaller
7 exposures in the context of benzene may be more
8 dangerous than one large consolidated exposure?

9 A. Again, I can't make a generalization.
10 If you want to discuss specific levels, even some
11 of my own data suggests that intermittent exposure
12 is probably as important as continuous. I don't
13 think there is any evidence that a single exposure,
14 even at high levels, has associated with benzene
15 the development of leukemia. There is such just no
16 evidence to support that.

17 I think that virtually all the cases
18 that have been described have involved repeated
19 exposure in high concentrations. And intermittent
20 exposure, certainly in animals, can produce as much
21 toxicity as continuous exposure to certain
22 concentrations. There appears to be a threshold
23 effect there as well, and that's in terms of
24 toxicity.

25 Q. Would it be fair to say that

1 benzene-induced cancers such as AML would be
2 dose-response diseases?

3 A. Yes.

4 Q. So is there any understanding of at
5 what dose, quantifiable dose, the body cannot
6 detoxify the benzene?

7 A. What you are talking about is
8 threshold, and that's the issue we have just been
9 discussing.

10 Q. Yeah. You can't put a number on that,
11 though?

12 A. No.

13 Q. Is there any safe exposure to benzene?

14 MS. ARRAS: I am going to object to the
15 form of the question.

16 A. Well, let me put it this way; we are
17 all exposed to benzene in finite amounts in our
18 daily life, and we don't all die of leukemia or AML
19 or have blood dyscrasias, so obviously there are
20 exposure levels to benzene that are not associated
21 with these diseases. When you get into the issue
22 of risk, you get into regulatory issues of
23 prioritization of research and exposure issues that
24 really don't have anything to do with causation.

25 Do I think that it's a good idea to be

1 exposed to benzene? No, but we are every day and
2 have been throughout history, so I mean it's not
3 a -- I don't think it's really a meaningful
4 question in that context. There is obviously a
5 level of exposure that's not associated with the
6 development of these diseases.

7 Q. As a toxicologist, can you tell me what
8 that level is?

9 A. I wish I could.

10 Q. As a toxicologist, do you take issue
11 with the regulatory agencies setting limits for
12 occupational exposure to benzene?

13 A. No.

14 MS. ARRAS: I object to the form.

15 A. No, that's a policy. That's not an
16 issue of causation. The mandate for regulation is
17 to set policy to provide for protection of the
18 environment and for workers. I think that in the
19 context of policy that standard setting is very
20 appropriate. It does not necessarily mean that
21 it's based on issues that are relevant to causation
22 and in terms of a science.

23 Q. Let's change subjects slightly. Have
24 you visited any of the plants which are the subject
25 matter of these two cases?

1 A. No.

2 Q. Have you got any of the plant diagrams?

3 A. I don't have any. I may have seen
4 plant diagrams in the Ellis case. I don't know if
5 we are talking about the same facility or not.

6 Q. Would it be of any use to you to go
7 through the plaintiffs' testimony in the context of
8 piping diagrams or diagrams of locations where they
9 may have worked in process plants?

10 A. Not unless I am provided with some
11 meaningful industrial hygiene data.

12 Q. Such as personal monitoring?

13 A. Something.

14 Q. Exposure levels? Do you know if that
15 information is out there at any of the plants?

16 A. No, I don't.

17 Q. Have you requested it?

18 A. I have asked for what information was
19 available on exposure.

20 Q. Have you received any type of benzene
21 monitoring information on any of the plants?

22 A. With respect to this particular case,
23 no.

24 Q. Not limiting it to personal monitoring,
25 but any type of benzene monitoring at any of the

1 plants at any time; do you have that information?

2 A. Levels?

3 Q. Yes.

4 A. Not to my knowledge, no.

5 Q. Do you know whether any of it exists or
6 you just don't have it?

7 A. If it exists, I don't have it.

8 Q. To your knowledge, have any industrial
9 hygiene studies been done of any of the plants
10 which are the subject matter of this case which
11 might be out in the public domain?

12 A. Not that I know of.

13 Q. From what cell does the myeloma come
14 from which would be the multiple myeloma that we
15 would have in these cases?

16 A. It's in the lymphoid lineage, and it's
17 in the B lymphocyte lineage.

18 Q. And it would originate in the bone
19 marrow; is that correct?

20 A. Yes.

21 Q. Is there a concept of biological
22 plausibility for the connection of benzene with the
23 occurrence of multiple myeloma in human beings?

24 A. I don't understand the question.

25 Q. Is there a concept called biological

1 plausibility for the occurrence of a disease; in
2 other words, a connection between an exposure and
3 the occurrence of a disease?

4 A. Can you define "biological
5 plausibility" for me?

6 Q. Well, I am asking you. I mean I have
7 seen that term in a couple of different contexts,
8 and the context I am talking about, is it plausible
9 for an exposure to occur and a reaction occur
10 within the body that would result in the disease?
11 And I am limiting it right now to multiple myeloma.

12 MR. McCALL: Counsel, let me object to
13 the form. I don't understand your
14 question; it's vague.

15 BY MR. FLERLAGE:

16 Q. Is there a difference between the way
17 the benzene metabolites react in the bone marrow
18 between the B-cells you have just described for the
19 multiple myeloma and the cells which are affected
20 which result in AML?

21 A. Yes.

22 Q. And how?

23 A. The benzene metabolites are known
24 metabolites, primarily, but in concert with phenol
25 and catechol, alter cytocon response in

1 differentiation in the myeloid progenitor
2 population. It appears that they enhance
3 recruitment of an earlier cell into the myeloid
4 lineage and increase the number of cells that are
5 at risk of replication error.

6 This is a regulatory effect, and it
7 coincides with a cell type that has the metabolic
8 machinery to produce those metabolites, namely
9 peroxidases, I think. So it is a very -- the
10 susceptibility of that population to altered
11 regulation of growth coincides with the machinery
12 to metabolize the metabolites of benzene to produce
13 the compounds that do this.

14 The lymphoid cells do not have the same
15 metabolic machinery, and coincidentally, at least
16 to date, we have not seen regulatory changes in
17 those cell types. At much higher concentrations in
18 the metabolites, several levels of magnitude
19 higher, we do see toxicity. So benzene metabolites
20 will suppress lymphoid cell function at higher
21 concentrations.

22 The altered regulation of the myeloid
23 progenitor cells, in terms of their growth and
24 differentiation, forms the basis of our theory with
25 respect to secondary leukemogenesis, and it

1 corresponds not only to benzene, but one also sees
2 it for a variety of chemotherapeutic drugs that are
3 also known to cause AML.

4 We are pursuing studies in lymphoid
5 populations, but we have to develop technologies
6 that don't exist at the present time in order to do
7 that. But certainly in terms of susceptibility and
8 measurable events that occur, there are differences
9 in the metabolism and the concentrations of these
10 compounds that produce changes in these different
11 cell populations.

12 Q. Is that based on an animal model that
13 you are making this distinction?

14 A. At the present time it's based on
15 studies involving the use of mouse bone marrow. We
16 are in the process now of comparing human to mouse.

17 Q. And that would be for all of the bone
18 marrow disease processes?

19 A. It would be for the types of phenomena
20 that we are talking about now in terms of
21 regulating progenitor cell function and
22 differentiation.

23 Q. Are there different benzene metabolites
24 that are created in the body as a result of the
25 ingestion or inhalation of benzene?

1 A. Sure.

2 Q. Do the metabolites react the same way
3 regardless of distinction in the bone marrow?

4 A. No. No, there are marked differences.
5 Some of them are toxic. Some of them alter
6 regulation or recruitment of cells in response to
7 natural hormones or cytocons. Some of them don't
8 do anything. Some of them are genotoxic; that is,
9 they will cause chromosomal aberrations or types of
10 changes that could lead to altered regulation of
11 gene expression in the cells. Some of them don't.

12 Q. Based on your mechanical model, using
13 mouse bone marrow to this point, do all the various
14 metabolites that you have investigated thus far
15 react the same way in lymphoid and myeloid cells?

16 MS. ARRAS: I am going to object to the
17 form.

18 A. If you are asking me do all the
19 metabolites do exactly the same thing in two
20 different types of cells, my answer is no.

21 Q. What I am after is, given your models
22 thus far, your animal models thus far, is it known
23 whether the benzene metabolites will affect the
24 lymphoid cells, and I am talking about the
25 alteration process that you described, in the same

1 sense that they will affect the myeloid cells in
2 causing AML?

3 MS. ARRAS: I am going to object to the
4 form.

5 A. I think I have answered your question,
6 if I understand. We have done over the last 15
7 years a series of studies that characterized the
8 toxicity of these cells on lymphoid populations.

9 In the last five years, we have focused
10 on looking at myeloid differentiation, because this
11 is the population that is most relevant to the
12 issue of acute myelogenous leukemia. What we have
13 found is, whereas you can produce toxicity with
14 some of the metabolites of benzene to lymphoid
15 cells, and by "toxicity," I mean stop them from
16 growing, one sees dramatic changes in the
17 regulation of function of the myeloid cells at even
18 lower concentrations.

19 Q. I don't want to interrupt you here, but
20 I want to know is whether you have determined
21 whether those metabolites will also do that in the
22 lymphoid cells.

23 A. We have no evidence to support that at
24 the present time. As I said, we are in the process
25 of studying that question, but we are having to

1 develop a technique to do that that doesn't exist
2 at the present time. Certainly the toxicity
3 profile is different.

4 Q. Are you prepared to give an opinion,
5 again, to a reasonable degree of scientific
6 certainty, today based on your mechanistic
7 evaluation of the lymphoid cells as to whether it's
8 just not possible for the benzene metabolites to
9 cause the myeloma?

10 A. At the present time, we do not have
11 sufficient information to draw that conclusion in
12 the way you have framed it. If I understand the
13 line of your questioning, initially you were asking
14 me are there differences between the two different
15 types of cells that would be consistent with a
16 difference in potential susceptibility, and I am
17 saying yes, there are. There are metabolic
18 differences, there are observed differences --
19 which, again, metabolic differences are very
20 important.

21 There are observed differences in
22 alterations and regulation we simply haven't seen
23 in lymphoid models. We need to do further
24 development on that. And there are differences in
25 susceptibility to toxicity at higher

1 concentrations, so there are differences that
2 coincide with the observed association between
3 benzene exposure and AML in man.

4 Q. What I was after, though, was I guess
5 you can't give me a yes or no to that, but are you
6 able to say categorically that the benzene
7 metabolites will not affect the lymphoid cells in
8 the same sense that they affect the myeloid cells?

9 A. I can't say that categorically, no.

10 Q. This is from a report by -- I am going
11 to read a passage here, and I will show it to you
12 if you would like me to. I don't have a complete
13 copy of it, unfortunately. It's from the annals of
14 New York Academy of Science, Goldstein, "Exposure
15 to Benzene," and it talks about the relation of
16 benzene to multiple myeloma, and it talks about
17 biomedical plausibility. I don't know if you have
18 read anything by Dr. Goldstein on that or not.

19 MR. McCALL: Page 227?

20 MR. FLERLAGE: There you go.

21 BY MR. FLERLAGE:

22 Q. It's "Biomedical Plausibility." "There
23 is a high level of biomedical plausibility
24 supporting a causal relationship between benzene
25 exposure and multiple myelomas. Biological

1 reactive intermediates of benzene are carcinogenic
2 within the bone marrow, and plasma cells are
3 located within the bone marrow. The basic cell
4 type of plasma cells, the B lymphocyte, is affected
5 by benzene and is probably the circulating
6 lymphocytic cell found with benzene-induced
7 cytogenic abnormalities. Thus we have a carcinogen
8 that is specific to the organ at risk and affects
9 the basic cell type, including producing cytogenic
10 abnormalities."

11 I guess my question with regard to
12 that, and I think you have a copy of it in front of
13 you now, is do you agree with that statement?

14 A. I don't agree with all of it, no. I
15 think there is a basic misconception that is
16 understandable in the context of its subtlety and
17 our knowledge with respect to the ontogeny of
18 multiple myeloma. But the origin of multiple
19 myeloma appears to be a cell that resides in the
20 bone marrow and is probably -- at this point it's
21 indeterminate, but probably somewhere between the
22 level of differentiation of the pre B-cell, which
23 could be considered technically one of committed
24 stem cell population, and the B-cell.

25 So we are looking at an earlier cell as

1 the origin that he is referring to here in terms of
2 the circulating lymphocyte, and I think that
3 although there is no definitive pinpointing of
4 where in differentiation this occurs, there is
5 substantial evidence to suggest it's earlier than
6 this particular cell that he is talking about.

7 Q. Now Dr. Goldstein, I think -- I don't
8 have the date on this. I think it was written in
9 what, 1987? Are you aware of that?

10 A. 1990.

11 Q. 1990? Based on what you have
12 discovered I guess since 1990, are you aware of any
13 retraction of this by Dr. Goldstein?

14 A. No. And I don't think that that's an
15 accurate representation. Some of the literature to
16 support what I have just said existed prior to
17 1990, but it's certainly been developing over the
18 last several years. I am simply saying that with
19 respect to this one statement that he made, he is
20 not taking into account the evidence to suggest
21 that the cell of origin for multiple myeloma occurs
22 earlier in ontogeny than he is describing here. I
23 think he is basically misstating.

24 Q. It's Dr. Goldstein's position, I
25 understand, that he has related exposure to benzene

1 to multiple myeloma; is that correct, based upon
2 both the biomedical plausibility and the human
3 findings?

4 MS. ARRAS: I am going to object to the
5 form of the question. You are asking
6 Dr. Irons to draw a conclusion about an
7 entire study, which you haven't laid
8 the foundation for whether he is
9 familiar with it, whether he has read
10 it, and therefore whether he can draw
11 a conclusion as to what Dr. Goldstein's
12 ultimate conclusion was.

13 MR. FLERLAGE: Well, he has got it
14 right in front of him. I guess in
15 answering the question, he can do
16 whatever he wants with it.

17 MS. ARRAS: Well, can he read it?

18 MR. FLERLAGE: Sure.

19 THE WITNESS: Well, I am going to have
20 to take a more detailed look at this to
21 answer that question.

22 (OFF THE RECORD DISCUSSION)

23 BY MR. FLERLAGE:

24 Q. To the best of your knowledge, has
25 Dr. Goldstein linked occupational exposure to

1 benzene with multiple myeloma?

2 A. Well, I think in his concluding
3 paragraph in this particular review -- which is
4 again a review; it's an opinion, not a primary
5 research article -- "Overall, these findings are
6 not sufficient to make an unequivocal statement
7 that benzene is a cause of multiple myeloma.
8 However, they raise the strong presumption of such
9 a causal link, and in my judgment, it is now more
10 likely than not that benzene exposure is an
11 etiological factor in multiple myeloma. This does
12 not necessarily mean that any increase in the
13 incidence of multiple myeloma in recent years can
14 necessarily be ascribed to benzene use, but it does
15 raise an issue that needs to be considered."

16 That's his opinion. In terms of the
17 issues that he has raised with respect to the
18 epidemiology and the biology, I think there are
19 aspects that I would agree with.

20 There are aspects that I don't agree
21 with. I would say that we disagree with respect to
22 the presumption, and in fact I think that the data
23 does not support that conclusion. We obviously
24 have a difference of opinion with respect to the
25 weight of the evidence at this point. We disagree

1 on many things and agree on many things. This
2 doesn't strike me as anything that --

3 Q. Do you understand the standard in a
4 civil litigation matter such as this to give an
5 opinion as more likely than not?

6 A. Yes.

7 Q. Is that the same standard that you
8 would use as a scientist in arriving at an opinion?

9 A. If I am evaluating a variety of
10 different studies or a body of literature to reach
11 a conclusion, yes. If I am looking at an
12 individual study to determine whether it is a
13 reliable indicator or I can draw a reasonable
14 conclusion, I will use a 95 percent confidence
15 interval or a higher standard. That is evaluating
16 the validity and the scientific rigor in an
17 individual study. But for looking at the
18 literature as a whole, I'm applying a more probable
19 than not, greater than 50 percent standard.

20 Q. Is that greater than 50 percent
21 standard the standard that you have applied in
22 giving your opinion that exposure to benzene does
23 not cause multiple myeloma?

24 A. With the caveat that I have evaluated
25 the strength, design, validity of the conclusions

1 and findings reached in the individual papers in
2 reaching that conclusion, yes.

3 Q. Okay. Would it then be fair to say
4 that it's your opinion that it's not biologically
5 plausible that benzene will affect the bone marrow
6 to such an extent that it can result in a multiple
7 myeloma?

8 A. There is no evidence that will allow me
9 to say it is not biologically plausible. However,
10 there is considerable evidence to suggest -- I have
11 got this backwards.

12 It is impossible to conclude that it is
13 plausible with any kind of definition. There is
14 considerable indirect evidence to suggest that it
15 may not be, but the state of the art is such that I
16 cannot definitively reach a conclusion as to its
17 plausibility or lack thereof.

18 Q. Okay. At this point I am going to have
19 to take a look at the materials.

20 (A NOON RECESS WAS TAKEN)

21 BY MR. FLERLAGE:

22 Q. Doctor, in the last hour, maybe half an
23 hour, 45 minutes or something like that, I've been
24 going through some of the materials that you have
25 provided which are going to be in Exhibit No. 5, I

1 think it is, to the deposition. There is a number
2 of epidemiological studies which are in the first
3 section that you have identified as being
4 supportive of your position; is that correct?

5 A. Yes.

6 Q. Can you answer for me how you went
7 about synthesizing, if you will, materials in those
8 reports to form an opinion?

9 A. Well, I classified them in terms of the
10 type of report or study that they were. I then
11 went through and evaluated them with respect to
12 study population, experimental design, what
13 criteria were used for classification of exposure
14 or measurement of exposure, what types of
15 comparisons were made, whether they were controlled
16 versus general population, proportion mortality or
17 retrospective cohort, case-control studies.

18 I then went through in my own mind and
19 on paper and began to summarize those findings from
20 one study to another and looked at the pattern of
21 results that were seen from one study to another.

22 Q. Some of the studies in there find a
23 causal connection between exposure to benzene in an
24 occupational setting and multiple myeloma; do they
25 not?

1 A. If you take an individual study, for
2 instance, if you take the Rinsky study, the initial
3 study saw no relationship. The followup suggested
4 there was a relationship. If you take an
5 individual study like that, you can say yes, that
6 that was the conclusion of the authors of that
7 study, but I think when you look at the design of
8 that study, the classifications and their use of
9 the information that they had, as well as in the
10 context of all the other studies that I have looked
11 at, that that's really the exception to the rule.

12 Q. Okay. In looking at the studies
13 individually as you have described, are there any
14 studies that you would care to address as having
15 more weight in terms of your opinion?

16 A. Yes. You have to look at the size of
17 the study, its power to see a change, you have to
18 look at the design of the study and what
19 assumptions are made by the individual
20 investigators in conducting the study and in
21 reaching their conclusions.

22 If you do that, I think that one can
23 make some distinctions with respect to the
24 reliability of the conclusions that have been
25 reached. I don't think it's -- I mean I can do

1 that as an exercise. I don't think that in general
2 it's as critical as it might be in some cases,
3 because I think the predominant finding and the
4 results, taking literature as a whole, support the
5 position that there is no demonstrable relationship
6 between benzene exposure and multiple myeloma.

7 Q. Which are the studies you were
8 referring to that make the causal connection
9 between farming or ranching and multiple myeloma?

10 A. For the most part, that comes from
11 population-based case-control studies in which
12 farming has been seen as being a risk factor.
13 There are case-control studies that are related
14 specifically to multiple myeloma.

15 In terms of lymphoma, there are also
16 some that have looked at farming as an occupation
17 that suggests a relationship there as well that are
18 probably larger than some of these, and it's my
19 understanding the results of a recent study that
20 has been sponsored by NCI that draws the same
21 conclusion that has yet not been published.

22 Q. Are you familiar with the statistics
23 and the analysis of that study?

24 A. No.

25 Q. How are you aware of the report?

1 A. I have read about it. It's been
2 bantered around in the popular press, but I have
3 not yet seen it in the scientific literature.

4 To speak specifically to your question,
5 the Morris study from 1986 saw an association with
6 the exposure to fertilizers. The Flodin study,
7 which is Swedish, found a relationship or at least
8 described a relationship for farming as far as
9 multiple myeloma. The McLaughlin study from 1988
10 found at least as high, if not higher, association
11 between farming and say petroleum industry.

12 Q. There was another one that was in there
13 that was Cuzick or something like that that you had
14 in there?

15 A. Cuzick, also Eriksson. The Cuzick
16 study, it's hard to draw any quantitative
17 conclusions from. The conclusions of the author
18 are that there is at least a two-fold risk
19 associated with farming and food processing, and
20 perhaps a risk associated with asbestos exposure.
21 There is no way that I could put a quantitative
22 value on this.

23 Q. They also found the petrochemical
24 industry to be a potential causative factor, did
25 they not?

1 A. I believe that is mentioned in there as
2 well.

3 Q. With at least some of these studies
4 which we are isolating with regard to farming or
5 ranching or animal exposure, there is at least an
6 underlying theme in many of the studies that
7 exposure to petroleum products or chemical products
8 is also implicated as a causal factor; is that
9 correct?

10 A. As I mentioned before, the farming
11 industry represents a very complex exposure
12 scenario, and there is probably as much of a theme,
13 if not greater theme, with respect to the
14 hypothesis that we are looking at, antigen
15 stimulation, potential exposure to viruses, and a
16 variety of other confounders as well.

17 At this point in time, I don't know how
18 you would go about segregating those out, but it's
19 clear that farming shows up repeatedly. I mean the
20 strength of the association varies from study to
21 study, and you will see that. That's one of the
22 reasons why I think you have to look across the
23 board at the whole literature as opposed to any one
24 individual study.

25 I don't think there is an individual

1 study that I can think of in this whole arena that
2 in and of itself provides you with a definitive --
3 at least with me, with sufficient evidence to say
4 yes, this is a causal relationship. You have to
5 look at the pattern that exists, and the pattern
6 with respect to farming is clear.

7 Q. Is there also a pattern, or is there
8 not a pattern concerning exposure to chemicals and
9 petrochemicals also, which is an underlying theme
10 through most of the studies that you are talking
11 about?

12 A. No. I think the pattern is entirely --
13 if there is a pattern at all, it does not
14 support -- it's not consistent and it doesn't
15 support a conclusion that there is an increased
16 risk. We have varying degrees of association with
17 chemical exposure, some of them related to
18 aromatics, some to solvents, some to chemicals.
19 Some distinguish between benzene, some don't.

20 But if you look across the board, I
21 mean we have odds ratios and relative risks that do
22 not provide and don't certainly suggest to me that
23 there is a consistent pattern of increase. Some of
24 these, there is actually a significant deficit.
25 There is no association that can be drawn.

1 Q. Is there any explanation for finding a
2 deficit in terms of disease occurrence among
3 potentially exposed populations?

4 A. Well, in some cases, occasionally
5 someone will hypothesize that a deficit in an
6 epidemiologic study or a non-dose-response
7 relationship may be in fact due to some what I
8 would consider to be biologically unlikely
9 explanation, but usually I think it's a function of
10 the artifact of the process of the types of studies
11 that we are talking about, epidemiology.

12 For instance, if you have an excess of
13 a disease in a population that you are not studying
14 or that you may be discounting, it may ask skew the
15 potential findings relative to the general
16 population or to some control. That definitely
17 happens in proportional mortality studies, which
18 are very unreliable for that reason, but it can
19 also happen in a retrospective cohort study or a
20 case-control study if it's not recognized and
21 controlled for during the design phase of the
22 study.

23 Q. Doctor, did you testify or were you
24 invited to testify before OSHA promulgated rules
25 concerning benzene exposure?

1 A. The only testimony I have made before a
2 regulatory hearing was in '80 or '81, and it was an
3 OSHA hearing on benzene emissions from a lake and
4 hydride plant. I have not participated in any of
5 the regulatory hearings on benzene per se.

6 Q. Are you familiar with the OSHA
7 regulations concerning benzene?

8 A. Generally, yes.

9 Q. Have you reviewed them recently?

10 A. Specifically, probably not.

11 Q. Okay.

12 A. I can't recall.

13 Q. Are you aware of OSHA's opinion forming
14 the basis of some of its regulation with regard to
15 benzene, concerning benzene and its relationship to
16 multiple myeloma?

17 MS. ARRAS: I am going to object to the
18 form of the question. I don't know
19 that OSHA can have an opinion in the
20 sense that you are implying here. If
21 you can answer the question --

22 A. She stole my answer. I don't know what
23 you mean by an opinion of OSHA. OSHA sets
24 standards.

25 Q. Are you familiar with the background

1 for the standards that went into the rationale for
2 standards in terms of benzene?

3 A. I am familiar with the benzene
4 literature which forms the basis for rule-making.

5 Q. Isn't it a fact that OSHA took the same
6 literature that you are referring to in your
7 Exhibit No. 5 and came to a different conclusion
8 with regard to benzene and multiple myeloma?

9 MS. ARRAS: I am going to object to the
10 form.

11 A. OSHA does not -- OSHA sets standards.
12 It does not classify agents as carcinogenic or not.
13 It promulgates rules with respect to exposure.
14 Individuals who work for OSHA I am sure reach
15 conclusions that form the basis for that
16 rule-making, but again, OSHA is not an agency that
17 to my knowledge renders opinions, but rather sets
18 standards with respect to worker safety.

19 Q. Would it be fair to say then that they
20 are very opinionated about their standards?

21 MS. ARRAS: I am going to object to the
22 form.

23 MR. McCALL: I will object to the form
24 also, as it calls for speculation.

25 MR. FLERLAGE: I will withdraw the

1 question. At least Dr. Wong would have
2 appreciated that.

3 BY MR. FLERLAGE:

4 Q. I went through this with Dr. Wong, and
5 I don't know if you are familiar with this whole
6 thing or not, but this is the 29 CFR, Part 1910,
7 Department of Labor, Occupational Safety and Health
8 Administration, Friday, September 11, 1987. The
9 part I have underlined is what I went through.

10 MS. ARRAS: Specifically what page?
11 34,479?

12 BY MR. FLERLAGE:

13 Q. Yes. With regard to that underlined
14 section, do you agree with or disagree with the
15 conclusion with regard to multiple myeloma and
16 benzene?

17 A. I disagree with the conclusion. By the
18 same token, this is not a scientific document.
19 This is a statement made in the Federal Register in
20 support of a rule-making in which OSHA is clearly
21 faced with providing appropriate cost benefit
22 analysis. It's a legal regulatory issue. I don't
23 think that it really speaks to the scientific
24 issue.

25 Q. I suppose I ought to read it into the

1 record again. The passage we were referring to
2 was, again, from Page 34,479.

3 "Epidemiologic studies demonstrate that
4 benzene exposure can cause leukemia, multiple
5 myeloma, and perhaps other hematopoietic and
6 lymphatic cancers. Aplastic anemia and several
7 other blood diseases are also known to be caused by
8 benzene exposure. Observations related to the
9 above findings have been demonstrated by a number
10 of high quality epidemiologic studies and case
11 reports, such as those by Rinsky, Wong, Ott,
12 Decoufle, Infante, Aksoy, Vigliani and others."

13 That's the passage we were referring
14 to. Whether you want to talk about it being
15 authoritative or not, you disagree with that
16 conclusion; is that correct, Doctor?

17 A. I disagree with some of those
18 conclusions; by no means all of them.

19 MS. ARRAS: You're not going to read
20 the rest of that paragraph into the
21 record?

22 MR. FLERLAGE: No. I don't think I did
23 yesterday. No, not unless you want to.

24 BY MR. FLERLAGE:

25 Q. Mr. Stelly was a pipefitter, correct?

1 A. Yes.

2 Q. We went through this to a limited
3 extent, but is there any way you can characterize
4 whether he engaged in the repair or maintenance of
5 any chemical reactors, valves, fittings or pipes?

6 A. To the best of my recollection, he
7 describes repairing pipes.

8 Q. And not specific fittings or anything,
9 valves, process piping, anything like that?

10 A. At this point, not that I recall.

11 Q. Did either Mr. Stelly or Mr. LeBlanc
12 talk about safety precautions which they may have
13 been offered or took in any of the plants we're
14 involved with?

15 A. I recall some statements to that
16 effect.

17 Q. Which were they?

18 A. I would have to refer back to the
19 deposition to be accurate, but I do recall some
20 discussion of that.

21 Q. Did that enter into your opinion-making
22 in this case at all, whether or not they wore
23 safety devices or took precautions?

24 A. The issue of exposure and dose is not
25 relevant to the question of causation in my

1 opinion. From that standpoint, I did not require
2 in reaching my opinion to have an abject knowledge
3 of their specific exposure, because I don't think
4 it's relevant.

5 As I mentioned before, there is nothing
6 within the information I have read that would allow
7 me to assess any exposure, but by the same token,
8 it's not significant with respect to my opinion of
9 causation between benzene and multiple myeloma.

10 Q. Did you need either one of these
11 gentlemen's occupational exposure backgrounds to
12 form a opinion in this case?

13 A. To the extent that it provides the
14 basis for understanding what the allegations are,
15 yes, but in terms of reaching an opinion on
16 causation and what the literature says with respect
17 to causation, no.

18 Q. Would it be fair to say that given the
19 disease process, multiple myeloma, it doesn't
20 matter how much exposure to benzene either one of
21 these gentlemen may have had, in your opinion?

22 A. Based upon the literature today, that's
23 correct. The actual exposure is not at issue here.
24 That's not the issue in multiple myeloma.

25 Q. Doctor, I think I only have one other

1 area. In the Ellis deposition on December 17,
2 1991, on Page 55, and let me read it into the
3 record and then I will give it to you, you were
4 asked the following question on Page 55, Line 18.

5 "Do you believe there is more evidence
6 that benzene causes multiple myeloma than the
7 lymphoid leukemias?"

8 And your answer at Line 21 was "I would
9 say yes, but I would also like to explain. I don't
10 think there is sufficient evidence to indicate that
11 benzene causes multiple myeloma, so we are talking
12 about grades of insufficient evidence. I believe
13 there is no evidence that benzene is associated
14 with lymphoid leukemias." Let me show you that to
15 make sure I read it right.

16 A. Okay. I recall that.

17 Q. Is that still your testimony today,
18 or has that changed?

19 A. I would say that, in general,
20 my testimony is consistent with this, to the
21 extent that I have strengthened my opinion with
22 respect to the lack of evidence for multiple
23 myeloma, following my reading of the literature
24 that currently exists.

25 At the point in time that I said

1 this, I was referring specifically to the
2 Tabershaw-Cooper study and to the Rinsky study
3 as being the basis for this statement.

4 As I mentioned earlier, at the request
5 of Ms. Arras, I reviewed all the literature that we
6 have here, and I think that it strengthens my
7 conclusion that there is no evidence that would
8 support the conclusion that multiple myeloma is
9 associated with benzene.

10 But by the same token, again, if we are
11 going to talk about grades of insufficiency, I
12 think that this statement still is true.

13 Q. Okay. I have no further questions.
14 Thank you.

15 MR. FLERLAGE: Read and sign?

16 MS. ARRAS: Yes.

17

18

19 (DEPOSITION CONCLUDED)

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

I, JULIE L. SAMFORD, Certified
Shorthand Reporter, do hereby certify that the
witness, after having been first duly sworn to
testify to the truth, the whole truth, and nothing
but the truth, did testify as hereinabove set
forth;

That the testimony was reported by me in
shorthand and transcribed under my personal
direction and supervision, and is a true and
correct transcript, to the best of my ability and
understanding;

That I am not of counsel, not related to
counsel or the parties hereto, and have no personal
interest in the outcome of this event.

JULIE L. SAMFORD
Certified Shorthand Reporter

