

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF MASSACHUSETTS

BRIAN K. MILWARD, et al,	)	
	)	
Plaintiffs,	)	
	)	
v.	)	Civil Action
	)	No. 07-11944-GAO
	)	
ACUITY SPECIALTY PRODUCTS	)	
GROUP, INC., et al,	)	
	)	
Defendants.	)	
	)	

BEFORE THE HONORABLE GEORGE A. O'TOOLE, JR.
UNITED STATES DISTRICT JUDGE

DAUBERT HEARING - DAY 4

John J. Moakley United States Courthouse
Courtroom No. 9
One Courthouse Way
Boston, Massachusetts 02210
Friday, April 24, 2009
9 a.m.

Marcia G. Patrisso, RMR, CRR
Official Court Reporter
John J. Moakley U.S. Courthouse
One Courthouse Way, Room 3510
Boston, Massachusetts 02210
(617) 737-8728

Mechanical Steno - Computer-Aided Transcript

1 APPEARANCES:

2 ALLEN STEWART, P.C.

By: Allen Stewart, Esq.

3 Steve Baughman Jensen, Esq.

325 N. St. Paul Street - Suite 2750

4 Dallas, Texas 75201

- and -

5 KREINDLER & KREINDLER, LLP

By: James D. Gotz, Esq.

6 277 Dartmouth Street

Boston, Massachusetts 02116

7 On Behalf of the Plaintiffs

8 NIXON PEABODY, LLP

By: Joseph J. Leghorn, Esq.

9 Nancy C. Antonellis, Esq.

100 Summer Street

10 Boston, Massachusetts 02110

On Behalf of the Defendant CRC Industries, Inc.

11 WEATHERS, RILEY & SHEPPEARD, LLP

12 By: Wesley A. Weathers, Esq.

4848 SW 21st Street - Suite 202

13 Topeka, Kansas 66604

On Behalf of the Defendant NCH Corporation

14 FORMAN, PERRY, WATKINS, KRUTZ & TARDY, LLP

15 By: Tim Gray, Esq.

200 South Lamar Street - Suite 100

16 Jackson, Mississippi 39201-4099

- and -

17 MELICK, PORTER & SHEA, LLP

By: Raymond H. Tomlinson, Jr., Esq.

18 28 State Street - 22nd Floor

Boston, Massachusetts 02109-1775

19 On Behalf of the Defendant Aristech Chemical Corporation

20 CETRULO & CAPONE, LLP

By: Lawrence G. Cetrulo, Esq.

21 Kevin R. Powers, Esq.

Jeffrey D. Adams, Esq.

22 Two Seaport Lane - 10th Floor

Boston, Massachusetts 02210

23 On Behalf of the Defendants Henkel Corporation;

Sunoco, Inc.; and Sherwin-Williams Company

1 DICKIE, McCAMEY & CHILCOTE, P.C.

By: James R. Miller, Esq.

2 Two PPG Place - Suite 400

Pittsburgh, Pennsylvania 15222-5402

3 On Behalf of the Defendant Sherwin-Williams Company

4 CAMPBELL, CAMPBELL, EDWARDS & CONROY, P.C.

By: Brian P. Voke, Esq.

5 One Constitution Plaza

Boston, Massachusetts 02129

6 On Behalf of the Defendant Berryman Products, Inc.

7 CAMPBELL, CAMPBELL, EDWARDS & CONROY, P.C.

By: Charles K. Mone, Esq.

8 One Constitution Plaza

Boston, Massachusetts 02129

9 On Behalf of the Defendant The Clorox Company

10 ADLER, POLLOCK & SHEEHAN, P.C.

By: Brian R. Birke, Esq.

11 175 Federal Street

Boston, Massachusetts 02110

12 On Behalf of the Defendant Radiator Specialty Company

13 GORDON & REES, LLP

By: Leslie A. Sheehan, Esq.

14 633 West Fifth Street - Suite 4900

Los Angeles, California 90071

15 On Behalf of the Defendant Sunnyside Corp.

16 McDONOUGH, HACKING & LAVOIE, LLC

By: William R. Corino, Esq.

17 One Washington Mall

Boston, Massachusetts 02108

18 On Behalf of the Defendant Boyle-Midway, Inc.

19 RYAN, COUGHLIN & BETKE, LLP

By: Elizabeth A. Doubleday, Esq.

20 175 Federal Street

Boston, Massachusetts 02111

21 On Behalf of the Defendant WD-40 Company

22 TUCKER, HEIFETZ & SALTZMAN, LLP

By: Syd A. Saloman, Esq.

23 Three School Street

Boston, Massachusetts 02108

24 On Behalf of the Defendant AGS Company

25

I N D E X

DIRECT CROSS REDIRECT RECROSS

WITNESSES FOR THE
DEFENDANTS:

DAVID PYATT

BY MR. GRAY
BY MR. JENSEN

5 123
77 129

P R O C E E D I N G S

THE CLERK: All rise.

Continuation of the Milward Daubert hearing. Be seated.

THE COURT: Good morning.

VOICES: Good morning.

MR. GRAY: Your Honor, if it would please the Court, the defendants would like to call Dr. David Pyatt.

DAVID PYATT, sworn

THE CLERK: State your name, spell your last name for the record, keep your voice up and speak into the mic so everyone can hear you in the courtroom.

THE WITNESS: All right. David Pyatt, P-Y-A-T-T, 1944 Cedar Ridge Circle, Superior, Colorado 80027.

MR. GRAY: Your Honor, if I could ask the Court to put on the PowerPoint screen?

THE COURT: You want the computer?

MR. GRAY: Yes.

And, your Honor, I apologize. I'm going to go back and forth between the PowerPoint and the ELMO a few times.

THE COURT: Fine.

DIRECT EXAMINATION

BY MR. GRAY:

Q. Good morning, Dr. Pyatt.

A. Good morning.

1 Q. Could you tell the Court --

2 A. Am I the right distance away from --

3 THE COURT: You're a little farther away. Pull the
4 mic up to you. You have to be sort of on it.

5 THE WITNESS: How's that?

6 THE COURT: Better.

7 BY MR. GRAY:

8 Q. Have you prepared some slides to assist with your
9 testimony today?

10 A. Yes.

11 Q. And this first slide has some background information.
12 Would you tell the Court a little bit about yourself?

13 A. Okay. I have a Ph.D. in toxicology from of the University
14 of Colorado. I was awarded that in 1995. I worked within the
15 laboratory of Richard Irons, who is a world-renowned researcher
16 on benzene, did some post-doctoral training there, got some
17 grants, worked through the research faculty track, and
18 eventually left and started my own company, which is Summit
19 Toxicology, LLP, which I started in 2005.

20 I still maintain active academic appointments in several
21 departments with the University of Colorado. I have an
22 appointment at the School of Public Health where I teach a
23 toxicology class; I have an appointment at the School of
24 Pharmacy where I teach a risk assessment in environmental
25 toxicology class; I teach a tox class in the Environmental

1 Health Sciences Program in the downtown Denver campus of the
2 University of Colorado; and I teach physicians, graduate and
3 medical students in those various courses.

4 Q. And I think your next line talks a little bit more detail
5 about your background.

6 A. So, yeah, I've been doing this for about 20 years. I have
7 a variety of abstracts and book chapters and peer-reviewed
8 papers. Most of them have been either specifically on benzene
9 or on bone marrow toxicity and immunological toxicity. Now, as
10 a consultant, I work for a variety of agencies and
11 private-sector clients, law firms, other consulting companies
12 that come to me with, you know, specific requests. And I'm a
13 member of a variety of scientific societies.

14 Q. And you prepared a report in this case; is that correct?

15 A. I did.

16 Q. Okay. And since you issued that report, have you
17 continued to look at the reports issued by other experts and
18 the depositions that were given in this case and continued to
19 look at the literature?

20 A. Yes.

21 Q. Have any of the opinions that you've rendered that we've
22 provided to the Court in your initial report -- have they
23 changed materially since your report?

24 A. No.

25 Q. If we could go to the next slide, I would like you to tell

1 the Court in these next two slides what the primary things
2 you're going to be talking about today are.

3 A. Well, I guess the big one is that there is, in my opinion,
4 insufficient evidence to establish general causation between
5 benzene exposure and acute promyelocytic leukemia, which is why
6 we're here. The basis for that is it is a clearly distinct
7 clinical entity, something we heard a lot about yesterday, and
8 it's overly simplistic to just consider APL to be another
9 subtype of AML; that the mechanistic sort of plausibility
10 arguments are a little disjointed and don't really provide
11 adequate evidence that a causal relationship exists.

12 The cell of origin, which we have been talking about in
13 some detail, in my view it is pretty clear that the cell of
14 origin for APL is different than the other subtypes of leukemia
15 for sure, and most likely even different for the other subtypes
16 of AML. And the one cytogenetic change, the translocation that
17 we most strongly associate with APL, the 15;17, has never been
18 shown in any assay, in vitro, in vivo; at no point has the
19 15;17 translocation ever been shown to be associated with
20 benzene exposure.

21 And then the topoisomerase II argument, that's a pretty
22 complicated argument and we will spend some time discussing
23 that today, but collectively that is not sufficient evidence to
24 link benzene with APL.

25 Q. Okay. So just to -- while you get some water -- to make

1 sure everyone has a roadmap, really the four things we're going
2 to be talking about today are: distinct disease, cell of
3 origin, topo II, and whether or not the evidence about
4 chromosomal abnormalities establishes that benzene causes APL.
5 Are those your primary four topics for today?

6 A. I think that sounds right.

7 Q. Okay. Let's go to the next slide. You've seen this slide
8 before in, I believe, Dr. Bennett's and perhaps even Dr.
9 Smith's testimony, correct?

10 A. Right. And the only reason why I'm bringing it up again
11 is, you know, this is the hematopoietic hierarchy; this is the
12 best representation of what we think is happening in the bone
13 marrow where all of these mature cells that are listed along
14 the bottom are arising from a pluripotential cell at the top.
15 It isn't quite this linear, but it's the only way, really, that
16 hematologists can depict what we think is occurring in the
17 marrow.

18 What I like about this particular slide is it has a couple
19 of really important breakpoints that will be important in what
20 we're going to discuss today. And I think I can draw on this.
21 So that area right there is important because once a cell has
22 moved beyond that point, then -- that's pretty neat.

23 Okay. Once a cell has moved beyond that point, then it
24 loses the ability to differentiate into one of those two main
25 lineages. So the CMP, the common myeloid progenitor, or the

1 CLP, the common lymphoid progenitor, retains the ability to go
2 down those lineages, the lymphoid or the myeloid, but it has
3 lost the ability to go down the other ones. So that is a
4 pretty distinct differentiation point in this hierarchy.

5 Q. Can I interrupt you for one second, Dr. Pyatt? Just so
6 the record is clear when someone's reading this without the
7 benefit of the slides, that breakpoint is the point at which a
8 cell either goes down the lymphoid line to the CMP or down the
9 CLP line. Is that the breakpoint we're talking about there?

10 A. Well, yeah. I'm talking about this break right here where
11 I've indicated where this purple cell above, that one, which
12 doesn't really have a name, but that cell theoretically retains
13 the ability to turn itself into any kind of lymphocyte or any
14 kind of myeloid cell. And then once it differentiates, it
15 becomes what is called lineage committed, and it has now
16 committed to becoming either a myeloid cell or a lymphoid cell.

17 So the next step is it becomes a common precursor, or a
18 common progenitor, common myeloid or common lymphoid, and those
19 retain the ability to differentiate into others, but they have
20 lost the ability to cross over. So a common myeloid cell will
21 not give rise to a T lymphocyte, for example, and a common
22 lymphoid precursor will not give rise to a granulocyte or other
23 myeloid cells. Does that make it clearer?

24 Q. Yes, that does.

25 A. Okay. The other thing to point out on this slide are the

1 boxes over on the left. There's a lot of complicated
2 nomenclature in there, but that demonstrates, or describes, the
3 phenotype of these various cells. And as you heard yesterday,
4 the phenotypic description of the phenotype are the proteins
5 that are expressed on the surface that allow hematologists to
6 identify these cells and allow hematologists to really separate
7 out various cell populations using flow cytometry.

8 And the ones that I think are important, if you look at
9 the white box to the left of the hematopoietic stem cell at the
10 very top, you'll see that that is CD34+ -- so that is an
11 important marker that indicates a stem or progenitor cell --
12 and it is CD38-. That is also a very important marker. When
13 you go down the list, and now you're past -- when you move down
14 to about this level we were discussing, you see that the CD38
15 becomes positive. So when you see a "CD38+" expression on the
16 surface of the cell, that gives you some indication as to where
17 in the maturation flowchart that particular cell is likely to
18 arise. So that is an important distinction that you can get
19 from looking at this slide.

20 Q. Okay. We've heard a lot of testimony about it, but I want
21 to make sure we're very clear today. Can you talk a little bit
22 about what a -- what the hematopoietic stem cell is and then
23 differentiate that from what we've been describing as a
24 leukemic stem cell?

25 A. Yeah. And just as Dr. Bennett pointed out yesterday, the

1 nomenclature is somewhat confusing because they both have the
2 word "stem cell" in there. But a hematopoietic stem cell, or a
3 pluripotential stem cell, is a hematopoietic cell -- which is
4 up here at the top -- that theoretically has the ability to
5 turn itself into all of the different lineages -- all the
6 different blood lineages.

7 A leukemic stem cell, even though it still has the word
8 "stem" in it, is just a cell that can give rise to that form of
9 leukemia. So a leukemic stem cell can be anywhere within this
10 hierarchy; it has the ability to self-renew and to give rise to
11 that particular form of leukemia. It does not mean that it
12 always arises at the hematopoietic stem cell level, and in a
13 lot of cases I think it does not.

14 Q. And do researchers now believe that some cells that
15 previously didn't have the ability to self-renew through the
16 carcinogenic process gain that ability and become leukemic stem
17 cells?

18 A. That was one of the really interesting and important
19 findings in the Wojiski paper that we discussed in some detail
20 yesterday. Researchers demonstrated that really mature
21 granulocytes, all the way down here in this area, when they had
22 the 15;17 put into them, they achieved the ability for
23 self-renewal, so they became the leukemic stem cell for that
24 disease even though they are very differentiated.

25 Q. Now, even before the Wojiski paper, this concept of a

1 leukemic stem cell was established in the literature, correct?

2 A. Well, the leukemic stem cell concept has been around for
3 about ten years, and it's been evolving and people are thinking
4 about it in different ways. And it really has to do with
5 therapy. I mean, that really is where the most -- that's where
6 it's the most useful. Because the idea is if you're treating a
7 leukemia with whatever your therapy is, but you're not treating
8 the leukemic stem cell because it's quiescent or it's hidden or
9 whatever the reasons are, then you're going to relapse, all
10 right?

11 So people like John Bennett and others in the field,
12 treating oncologists, are keenly interested in the leukemic
13 stem cell so that they can derive therapies to try to kill
14 those cells as well so that they can effect some kind of
15 long-term remission or actually cure a patient.

16 Q. Earlier when you talked about the findings in the Wojiski
17 paper that suggest that the leukemic stem cell for APL is where
18 you marked, the point you marked on this slide, the
19 hematopoietic hierarchy, was below the GMP cell; is that fair?

20 A. Yeah. I mean, you can't take any of those quite literally
21 because in this particular hierarchy there's only two cells
22 drawn between a GMP and a granulocyte. There are other more
23 complicated flowcharts where there might be as many as four
24 steps of differentiation between those. But, yes, I think that
25 area right in there is where that paper indicates that it

1 happened.

2 Q. And of course we --

3 THE COURT: Excuse me. Can I just ask him about the
4 chart? Is this a chart that was prepared for this presentation
5 or does this come from a piece of literature?

6 THE WITNESS: It comes from the literature.

7 BY MR. GRAY:

8 Q. And, Dr. Pyatt, just so we're clear before we move on, APL
9 manifests in -- within this granulocytic line of cells that we
10 see on this chart, correct?

11 A. I'm sorry. Could you ask that again?

12 Q. APL appears within this granulocytic line of cells; is
13 that correct?

14 A. Yes. Yes. APL, acute promyelocytic leukemia, is a
15 leukemia that is that cell.

16 Q. The cell just below the GMP but before the granulocytes,
17 it's in that range?

18 A. Correct. It's a promyelocyte. In this lineage diagram
19 there's only one cell, so I'm calling that the promyelocyte.
20 In other lineages there could be meta promyelocytes and others
21 there. And then you would have to point out the promyelo- --
22 it's going to be in that region.

23 Q. This is a much simpler slide of what could be used in a
24 graduate course on cell biology; is that fair?

25 A. Yeah. I mean, you could get them in a variety of

1 different degrees of complication. And really it depends on
2 what you're interested in. If what you're trying to determine
3 or discuss are the lymphoid differentiation markers, then they
4 may ignore the myeloid markers completely and elaborate more on
5 the lymphocyte. So you'll see them all different ways.

6 Q. Okay. Fair enough.

7 If we could go to the next slide.

8 So, now, again, on the four points we're going to talk
9 about today, Dr. Bennett touched on this yesterday, but could
10 you briefly give the Court your opinion, and a brief
11 description for the basis for it, about whether or not APL is a
12 distinct disease from other subtypes of AML?

13 A. I think that's pretty clear both from what he said as well
14 as what's on this slide. I mean, the prognosis of APL is very
15 different than most other subtypes of AML. The treatment is
16 unique in the hematology/oncology community. All of the other
17 chemotherapeutic agents that are used to treat AML are
18 cytotoxic, you know, that kill the dividing cells. That's how
19 it works.

20 With APL, it's the only disease where the treatment,
21 all-trans retinoic acid, doesn't kill the cells; what it does
22 is cause the cells to take that next step in the
23 differentiation pathway. When they take that next step and
24 they differentiate into a granulocyte, then they die on their
25 own. Granulocytes have a relatively short half-life; they only

1 live for six or eight hours. So they take that next step and
2 then they die. There's no other leukemia that I'm aware of
3 that that methodology is used to treat it. And it's very
4 successful.

5 So yesterday -- Dr. Bennett talked yesterday in some
6 detail about the cytogenetics and what the disease looks like
7 morphologically. We will spend some time today discussing the
8 cell origin and the last two points, but I do think that the
9 ideology is different and that there is evidence that the
10 epidemiology is different for this particular subtype.

11 Q. Okay. If we could go to the next slide.

12 Now, do you believe it's appropriate to assume that if one
13 agent causes one form of AML, it therefore causes all forms of
14 AML?

15 A. I don't think that would be appropriate to assume now. It
16 might have been appropriate to assume 25 years ago, but I think
17 there has been evidence that is indicating that that is too
18 simplistic a view, and that you need to evaluate -- when you
19 can, you need to evaluate the various subtypes. And keep in
20 mind that even the subtypes are a relatively broad category. I
21 think where the field is going, it's going to be looking at
22 specific cytogenetic markers and what is the epidemiology
23 associated with an 8;21 translocation or an inverse 116. In
24 this case the 15;17 and the APL are essentially the same thing,
25 so we've already taken that step.

1 Q. And do you believe that looking at the relationship
2 between cigarette smoke and APL is a good illustration of the
3 point you just made about one agent doesn't cause all forms
4 necessarily?

5 A. I do. I mean, it's been well established from a lot of
6 different researchers, and the surgeon general has now accepted
7 it and everyone pretty much is on the same page, that AML is --
8 that cigarette smoking is an established risk factor for AML.
9 So if you simply said that all of the subtypes of AML are going
10 to be equally affected by cigarette smoking, I think in this
11 case you would be wrong; that would be too simplistic of a
12 view. So this is a good illustration really of where APL is a
13 distinct epidemiological entity from the other subtypes of AML.

14 Q. Okay. So there's actually studies where the incidence of
15 APL among smokers has been separately analyzed. And you're
16 going to tell us about some of those studies, right?

17 A. I am. There are three that I found where they have done
18 this, and in all three cases -- we'll go through them. So this
19 first one by Moorman was published in the British Journal in
20 2002. And what they were interested in was the cytogenetics.
21 But, again, since APL and 15;17 are almost synonymous, that's
22 essentially what they're looking at.

23 And if you look right here at the current smokers, first
24 of all, you see a small -- 1.42, which is about right -- but a
25 statistically significant increase with current smoking and

1 AML. But with APL, 15;17, the risk is .47, which is a
2 decrease. And in this case it is statistically significantly
3 decreased. So just based on that one finding, if you just say
4 smoking causes AML and didn't say anything else about it,
5 really that would be incorrect; it doesn't cause all forms of
6 AML based on this study.

7 Q. Okay. And if we go to the next slide -- there's more than
8 one study, correct?

9 A. Yes. So this one was published in the Journal of the
10 National Cancer Institute. I don't know any of these
11 researchers, but I'm assuming some of them are there. That's
12 usually how it works.

13 Q. Is it the Sandler paper?

14 A. The Sandler paper, yes, from 1993.

15 But it shows the same thing. If you look at the M3
16 category -- well, right there, the total odds ratio is .56,
17 confidence interval of .30 to 1.03, so that is suppressed but
18 not statistically significant because the other 95 percent
19 confidence interval is greater than 1.0. With the
20 less-than-60-year-olds the relative risk is .42, and with that
21 particular group it is statistically significant. The over-60
22 group, the relative risk is elevated -- the odds ratio is
23 elevated at 3.05 -- but they have really wide confidence
24 intervals, .26 to 36.0, and that's because there was one case.
25 There was one case that was in that category. So that's why

1 you see such wide confidence intervals.

2 But, again, it supports the position that APL is not
3 elevated associated with cigarette smoking.

4 Q. And, again, when you pool the under-60 and the over-60
5 group, the odds ratio for M3 for everyone in the study was .56,
6 correct?

7 A. Right. .56 with a clearly suppressed -- but it was not
8 statistically significantly suppressed -- odds ratio.

9 Q. This study is further evidence that smoking does not cause
10 APL. You'd agree?

11 A. That's correct.

12 Q. Now, let's look at -- Sandler actually commented on these
13 findings, and I'll read these for the record. This is from the
14 Sandler paper at page -- I believe it's 1999. "There was a
15 nearly significant inverse association between smoking and
16 t(15;17)(q22;q12) with an odds ratio of 0.42 (95 percent
17 confidence interval 1.17 - 1.01) when patients with this
18 abnormality were compared to control subjects. All of the
19 patients with this abnormality had leukemia classified with M3,
20 which was also inversely associated with smoking."

21 So the authors here believe that the data in the paper --
22 presented in the paper -- show no increased rate associated
23 with smoking; is that a fair statement?

24 A. Sure. I mean, I don't know what else they could conclude
25 from looking at that data.

1 Q. Okay. Now let's look at the Björk paper.

2 A. So this one was published in Leukemia Research in 2001.

3 And, again, they went in and looked at the various subtypes of
4 AML that were associated with cigarette smoking. And you can
5 see, like, the M6, for example, down here is very high, 8.9, an
6 odds ratio. But consistent with the other literature that
7 we've been looking at, the AML-M3 --

8 This doesn't really show up right where you touch it,
9 but... Okay. Everyone can see that.

10 -- the odds ratio is .32, which clearly is suppressed in
11 this case.

12 Again, it doesn't quite achieve statistically significant
13 suppression; you have a confidence interval of 1.0, but it is
14 going in that direction, and it is totally consistent with the
15 other studies.

16 Now, I'm not aware of any studies that have done this,
17 that have found contrary findings that have shown that APL is,
18 in fact, increased in smoking populations. There are several
19 studies out there that say it's pretty complicated and it's
20 fuzzy and it's hard to get a clear picture. To the extent that
21 I'm aware where they have broken out the subtypes, they all
22 seem to say the same thing, and that is that APL does not
23 appear to be associated with cigarette smoking.

24 Q. So based on this research and the smoking and APL, Dr.
25 Pyatt, it's apparent that it causes some forms of leukemia but

1 does not cause others; fair?

2 A. That is certainly the take-home message from this. If you
3 were to assume that smoking causes AML -- all forms of AML --
4 that would be an overly simplistic view based on this data.

5 Q. Is benzene found in cigarettes?

6 A. It is. Benzene's found in cigarette smoke as well as
7 benzene metabolites.

8 Q. And Dr. Smith has testified that he believes it's the
9 benzene in cigarette smoke that contributes to the increased
10 risk of AML among smokers. Are you familiar with that opinion
11 of Dr. Smith's?

12 A. I heard him say it on Tuesday, and I've heard him say it
13 before, yes.

14 Q. Do you agree with that opinion?

15 A. I don't. I don't. I don't agree with that because I
16 don't think there's enough benzene in cigarette smoke to
17 achieve, you know, a quantitative increase in risk, but I know
18 that's what he thinks.

19 Q. And how does that affect Dr. Smith's overall opinion that
20 benzene must -- he's got an opinion that benzene causes APL,
21 and he also has an opinion that the benzene in cigarette smoke
22 is what leads to AML. Are those two positions inconsistent?

23 A. They're completely inconsistent.

24 Q. And that's because if benzene is driving the increased
25 risk of AML in cigarette smoke, it's not driving any increased

1 risk from smoking in APL, fair?

2 A. That's true.

3 Q. Okay. Now --

4 A. The only reason why I brought it up, really, was not so
5 much that it has to do with benzene, although that is an
6 important point, but that it illustrates that you cannot just
7 assume that all the different subtypes of AML collectively are
8 going to share the same set of risk factors. That was the
9 point that I had for bringing up this literature.

10 Q. And if we could go to the next slide.

11 Have other researchers also commented on this -- on the
12 relationship between smoking and APL?

13 A. Yes. The "Epidemiology of APL" paper by Dr. Douer in 2003
14 that was also discussed in some detail in this proceeding
15 states that "AML was found to be associated with smoking - in
16 particular, the FAB M2 subtypes or changes in Chromosome 8.
17 Interestingly, this association was not found with APL."

18 Q. Okay. Let's go to the next slide.

19 And I don't want to get too far down the road of the
20 epidemiology -- we've heard a lot about it -- but as you read
21 Dr. Douer's paper, what were his opinions about whether or not
22 there was a separate set of epidemiological principles at play
23 for APL as opposed to some other subtype?

24 A. I think there have been a lot of investigators who have
25 been curious as to whether subtypes of AML are all equally

1 affected by risk factors for AML, and we've talked a lot about
2 those, and they've been up on the board, different slides this
3 week.

4 But Dr. Douer wrote an epidemiology paper, view paper,
5 specifically on this topic, and tried to pull together the
6 literature that he found related to the acute promyelocytic
7 leukemia subtype, and took the position, which I think is
8 accurate, that it is a very unique subtype, which is what he
9 says. "APL is an example of a truly unique AML subtype that
10 has an easy-to-recognize morphology associated uniformly with
11 the distinct chromosomal and gene rearrangements. Thus, APL is
12 amenable to epidemiology studies." And then later in the
13 abstract, "It is therefore likely that etiological factors for
14 APL are different from those of other AML subtypes. So far no
15 environmental and/or occupational risk factors have been found
16 for APL."

17 Q. Okay. Yesterday in the context of discussion of this
18 paper, and perhaps the day before, we heard a lot of talk about
19 the Mele paper, the shoemaker study; do you recall that?

20 A. Yes.

21 Q. And to briefly go into that, there was some discussion
22 about how the -- there was suggestion that maybe Dr. Douer
23 didn't appropriately consider the Mele paper when reaching
24 these conclusions. Do you recall some of that
25 cross-examination?

1 A. I heard a little bit of it, yes.

2 Q. Have you reviewed Dr. Smith's deposition testimony about
3 his opinions about the Mele paper?

4 A. Yes.

5 MR. GRAY: Your Honor, if I could switch the ELMO
6 briefly.

7 BY MR. GRAY:

8 Q. And I'm at page 50 where I believe Mr. Leghorn was asking
9 Dr. Smith about the significance of the Mele paper. And he's
10 asked, "Doctor, the finding of only two cases, does that affect
11 the study?" Dr. Smith replies on page 51, "Yes; it's not
12 particularly strong. I mean, even though they say there's a
13 strong association with the shoemaking, it is actually based on
14 few cases."

15 "Mr. Leghorn: And your comment was that that's not a
16 particularly strong finding; is that right?"

17 "Answer: Well, it's strong in terms of the odds ratio,
18 but in terms of a definitive finding, one would want to have
19 more information."

20 That was the testimony of Dr. Smith; would you agree?

21 A. That's my understanding, yes.

22 Q. And do you agree with Dr. Smith, setting aside the
23 question of were those few workers exposed to benzene or not --
24 the Mele paper is a -- not a very strong finding based on two
25 reported cases of APL?

1 A. Yeah. No matter what you say about the exposures and
2 whether they had them or not, there were still only two cases
3 of APL in the shoe workers' group, which is a very small --
4 very small study. And when the studies are that small and
5 you've only got one or two cases, misclassification and other
6 things that can happen are hugely important.

7 MR. GRAY: If we could go back to the PowerPoint view,
8 your Honor.

9 BY MR. GRAY:

10 Q. And, again, Dr. Douer had the Mele paper available to him
11 when he reached the conclusions that he reached in this paper,
12 correct?

13 A. That's my understanding. And he felt the same way that I
14 think most people that read that would feel, and that is that
15 it is not a very strong finding.

16 Q. Okay. Let's go to the next slide. Actually, we need to
17 go backwards. Back one more briefly. Okay. Now forward.
18 Thank you.

19 Okay. So we've talked about APL as a distinct disease
20 with separate etiological risk factors. And now let's move on
21 to the rest of your testimony. And this slide lays out three
22 hypotheses that Dr. Smith has regarding biological
23 plausibility. Can you very quickly give the Court just the
24 bullet points before we move on to the first topic?

25 A. Yeah. I think what we're going to end up discussing

1 relative to Dr. Smith's testimony is the cell-of-origin
2 discussion and whether or not the evidence supports that ALMs,
3 or all leukemias, come from the same stem cell; the 15;17
4 translocation again, this notion that simply because benzene
5 has been shown to cause some balanced translocations, therefore,
6 it's biologically plausible that it can cause the 15;17
7 translocation; and then this whole discussion on topoisomerase
8 II, which is pretty fundamental to Dr. Smith's biological
9 plausibility position.

10 Q. Okay. So three hypotheses: Number one, there's a common
11 cell of origin for AMLs; number two, since benzene causes some
12 balanced translocations, it therefore causes the t(15;17);
13 number three, benzene causes leukemia via topo II inhibition.

14 Do you believe, Doctor, any of those three hypotheses have
15 been established in the scientific community?

16 A. No.

17 Q. Let's go, then, to the first topic, cell of origin. I'll
18 read here. This is from Paragraph 28 of Dr. Smith's report,
19 and I'll read a sentence here, Paragraph 28A. Actually, I'll
20 start with 28. "It is biologically plausible that benzene
21 causes APL for a number of reasons.

22 "First" -- and this is the cell-of-origin argument.
23 "First, the subtypes of AML are, in fact, subtypes of one
24 disease inasmuch as they all derive from a genetically damaged
25 pluripotent stem cell which can differentiate into all

1 myelogenous stem cells and proliferate."

2 I didn't read the whole paragraph, but is that your
3 understanding of the position that Dr. Smith has taken, at
4 least in some point in this case?

5 A. He clearly took it when he wrote this. And he sort of had
6 that position during his deposition, if I recall. But then his
7 testimony on Tuesday seemed like he was backing away from this
8 a little and that he was acknowledging that there were -- he
9 was using more plural terminology. And instead of saying
10 "They're all coming from a single stem cell" he was saying
11 "stem" or "progenitor cells," which opens up the possibility
12 that they're different. So I don't honestly know where he
13 stands today.

14 Q. Okay. Well, let's talk about your opinions from here,
15 then. Let's go to the next slide.

16 If all leukemia -- if all AMLs derived from a pluripotent
17 stem cell, what would be the cell of origin, as you understand
18 that phrase, on this chart entitled "Hematopoietic Hierarchy,"
19 which is a repeat of the slide we showed earlier?

20 A. Well, historically, the way experimental hematologists
21 have used this vernacular -- have used these terminologies --
22 is that a pluripotential stem cell is this. It's at the top.

23 Q. The HSC?

24 A. The HSC. That stands for "hematopoietic stem cell," which
25 is pluripotent in that it can differentiate into everything.

1 Once it leaves that state and starts differentiating, maturing,
2 then it is no longer called a stem cell and it becomes a
3 precursor or a progenitor cell. And that is historically the
4 way these things have been divided out. So when you say "stem"
5 or "progenitor cell," well, that opens up a lot. When you say
6 a "hematopoietic" or a "pluripotential stem cell," that is this
7 cell at the very top.

8 Q. Okay. And progenitor cells, as evidenced on this slide,
9 are really throughout the hematopoietic hierarchy until you get
10 to a mature effector cell; is that correct?

11 A. Correct. You have multipotent and, you know, the
12 oligopotent -- and that's neither here nor there. But you've
13 got a lot of differentiation capacity. And as they move down
14 this hierarchy they become more and more lineage-committed,
15 which means they lose the ability to turn into other things.
16 But all that time they are still considered progenitor cells.

17 Q. And certainly some scientists believe that the cell of
18 origin for some types of AML, including APL, is a
19 lineage-committed progenitor cell; is that right?

20 A. We heard a lot about that yesterday from Dr. Bennett, and
21 from many of the studies, so, yes.

22 Q. And what is your opinion about the cell of origin for APL?

23 A. Well, I think collectively the literature that I looked at
24 prior, that I cited in my original report, that I looked at
25 after Dr. Smith criticized that conclusion, all of that

1 literature collectively still pretty much reinforces to me that
2 it's somewhere in this area down here; that that is where APL
3 is most likely to arise.

4 Q. And the area you marked is well below the CMP cell,
5 correct?

6 A. That seems to be the case, yes, that it is below the
7 common myeloid progenitor. And there's some data that we have
8 not talked about yet that would support why that is true.

9 Q. Okay. And the significance of that is if the APL
10 originates at the CMP cell or above, then that gives some
11 credence to the theory that Dr. Smith is right that it's
12 biologically plausible that benzene causes APL; is that fair?

13 A. Well, I mean, I guess even if it's down here it doesn't
14 completely rule out that benzene can cause APL. I mean, all
15 it's saying is that they are not all coming from the same cell.
16 So that's really what I took issue with, and that's what we've
17 been discussing, that the evidence is pretty clear, in my view,
18 that the forms of leukemia in general and the subtypes of AML
19 specifically do not necessarily arise from the same stem
20 cell -- or the same cell, irregardless of where it is.

21 Q. And I want to make sure it's clear: You can't point to --
22 and Dr. Smith can't point to -- the exact cell with certainty
23 that is the cell of origin for cancer with 100 percent
24 certainty, can you?

25 A. For APL --

1 Q. For APL.

2 A. -- or for cancer in general?

3 Q. For any type of -- for APL. Can you point with certainty
4 and say, "That's the one. I know it 100 percent"?

5 A. No. No one can do that.

6 Q. Your point is that the weight of the evidence is that it's
7 at a committed cell as opposed to a cell higher in the
8 hierarchy?

9 A. That position is absolutely consistent with all of the
10 scientific data that exists on this topic. Every one of the
11 authors that are presenting some little piece of this puzzle
12 will say, "But you can't rule it out and it's still a
13 possibility" because no one knows with absolute certainty. But
14 when you look at all of the pieces of the puzzle together, each
15 one rules out that it is likely occurring in these different
16 places, and you're left with somewhere down the granulocytic
17 pathway that the cell has been committed not only to a myeloid
18 path but to a granulocytic path.

19 Q. Okay. And if we could go to the next slide --

20 THE COURT: Could I just ask: As a point of reference
21 can you determine that the cell has become leukemic after the
22 15;17 translocation but not before? Can you make that
23 determination?

24 THE WITNESS: I think that's true. I mean, since
25 we --

1 THE COURT: So the question becomes not -- let me ask
2 it more specifically, I guess. You could ask when, at what
3 stage of maturation, does the translocation occur?

4 THE WITNESS: That is exactly right, your Honor. And
5 that is exactly what the various authors in these studies have
6 done. They have gone to these various lineages and said, "At
7 what point do we see the 15;17 translocation and at what point
8 do we not see that?" And so, then, that is really the basis
9 for them saying that "We think it's happening at this level of
10 maturation or within these lineages." That's exactly right.

11 MR. GRAY: And actually, if I could ask Mr. Weathers,
12 will you skip ahead to the Chang slide? Just to further answer
13 that question, your Honor, Dr. Pyatt has a study that he
14 brought that is relative to that that maybe we could go
15 straight to.

16 THE WITNESS: Sure. In the Chang 2005 "Cancer
17 Genetics and Cytogenics" -- anyway, what they did in this study
18 was try to determine -- they looked at patients that had APL.
19 And they isolated various populations from those patients, the
20 hematopoietic populations, and said, "Where do we see the
21 15;17?" And taken at the abstract, they did not see the 15;17
22 in T-cells, they did not see the 15;17 in B-cells, and they did
23 not see the 15;17 in erythroblasts.

24 So if you go back to -- so I drew the little red boxes
25 on there to illustrate just what that one piece of data would

1 do kind of to your cell-of-origin argument. And so that they
2 didn't see it in a T-cell --

3 BY MR. GRAY:

4 Q. And just for the record, you're looking at the
5 Hematopoietic Hierarchy slide that follows the Chang slide?

6 A. Sure. Yes. And they didn't see it in B-cells, no one
7 looked in the NK-cells and no one looked in dendritic cells,
8 but I think most people would agree that that means that it
9 probably has not occurred -- I mean, it has occurred after that
10 differentiation step because the 15;17 is not being found in
11 any of the lymphoid cells. So that puts it probably somewhere
12 around the common myeloid progenitor cell.

13 And over on the other side, the erythrocytes, they didn't
14 find it in the precursors to the red blood cells, so that
15 knocks out another one of those little branches. So every time
16 they do that, that's one of the pieces of the puzzle that I was
17 discussing. It is narrowing down where it is likely that that
18 15;17 actually arises.

19 Q. And so this study is further support for your opinion that
20 the 15;17, and therefore APL, arises at a committed
21 granulocytic progenitor, correct?

22 A. That would certainly be consistent with this data; yes.

23 MR. GRAY: I would ask if we could go backwards two or
24 three slides.

25 BY MR. GRAY:

1 Q. Now, when you issued your report where you addressed this
2 cell-of-origin issue, you quoted a textbook by Dr. Jandl. Can
3 you tell us a little bit about Dr. Jandl -- I believe he's
4 deceased now -- and this textbook?

5 A. I don't know about him personally, but he wrote what, at
6 least in my training, was the definitive textbook on
7 hematopoiesis and blood disorders. And it was called Blood.
8 And I used it all through my training, and so I used it to
9 support my opinion because this is what he says: "The cell of
10 origin for AML is the pluripotential stem cell, CFU-GEMM" --
11 which is one step downstream from the pluripotential stem
12 cell -- "in some cases and progenitor cells lower (more mature)
13 in the hierarchy in others."

14 And he was specifically talking about APL in that latter
15 part, "more mature."

16 MR. GRAY: Okay. Let's go to the next slide.

17 BY MR. GRAY:

18 Q. Dr. Smith had some pretty harsh criticisms for this
19 opinion; is that fair?

20 A. I paid attention to him.

21 Q. Okay. Well, I'll read what he said in his supplemental
22 report. "For this criticism that my assertion is false, Dr.
23 Pyatt cites a textbook from 1997 by Jandl that, like Dr.
24 Pyatt's position, is completely out of date. Jandl's textbook
25 was written before the discovery of leukemic stem cells a

1 decade ago which has completely changed our understanding of
2 the pathogenesis of leukemia.

3 "All active researchers that I know in this field
4 understand this concept and do not debate whether benzene
5 causes only some forms of AML but not others."

6 Do you recall reading that in Dr. Smith's report?

7 A. I do.

8 Q. What did you do after you read that in his report?

9 A. Well, like I said, I took that very seriously. I mean,
10 the citation that I put in my report from Jandl (1997) really
11 just verified everything that I had been taught, everything
12 that I had learned up to that point, what I thought the
13 literature had been saying. And I wasn't just sitting in my
14 office for the last ten years doing nothing; I've been reading
15 papers on leukemia pathogenesis throughout my career. I
16 watched the development of the leukemic stem cells. I
17 understood their significance and what people were thinking
18 about them. But I wanted to make sure that -- and I had never
19 seen this concept of leukemic stem cell radically change our
20 understanding of the potential cell of origin. But I took Dr.
21 Smith at his word that my textbook was out of date and,
22 therefore, my opinion was out of date. So I went to the
23 literature to see if that was true.

24 Q. Okay. And let's talk about some of those papers that you
25 found. Let's go to the next slide.

1 A. One of them we just talked about, the Chang paper.

2 Q. The paper by Chang found that 15;17 was not detectable in
3 several other blood lines, correct?

4 A. That's correct. Which is absolutely consistent with what
5 Dr. Jandl put in his overview of the cell of origin for
6 leukemias.

7 Q. And that's absolutely consistent with your opinion you've
8 given about the cell of origin for APL?

9 A. It is.

10 Q. And let's go to the next slide.

11 Okay. This is the slide that we've already discussed
12 about Chang. So let's go to the next one.

13 Dr. Bennett described the study by Turhan in '95. But if
14 you would, let's reintroduce the Court to that paper.

15 A. So this one was similar in that they looked at APL
16 patients and, again, using the phenotypic markers which I
17 discussed earlier, tried to establish where in the hierarchy of
18 hematopoietic differentiation do you find the 15;17 -- do you
19 find that translocation.

20 And what they say is that the CD34+/CD38- antigen, meaning
21 those very primitive cells at the top of the flowchart that are
22 CD38-, those are not involved in the neoplastic process of APL.
23 So this -- another piece of the puzzle puts it at the bottom
24 half of that hierarchy where the cells are CD38+. And I think
25 we have a slide of that.

1 Q. Can I back up, though, just briefly, to that study?

2 A. Sure.

3 Q. And to identify the cells they wanted to stain with these
4 markers, they actually performed this analysis on the cells
5 that had the t(15;17), correct?

6 A. That's right.

7 Q. So, again, they looked at the t(15;17) cells. They put
8 the markers on them. The markers tell us are these cells that
9 are high up in the hierarchy or are they low at the --

10 A. Right. I think they tried to do it the other way around.
11 I think they probably used the CD38 as a method to separate out
12 these populations using flow cytometry, and then looked to see
13 whether the 15;17 was present in those two populations. And
14 they found it in the CD38+, but they did not find it in the
15 CD38-.

16 Q. So this paper is very consistent with Chang's results ten
17 years later? Let me ask that a different way. This paper
18 supports what Jandl said in '97, correct?

19 A. Absolutely.

20 Q. And it supports what you said in your report, does it not?

21 A. It does.

22 Q. Okay. Let's go to the next slide. This is the Wojiski
23 paper that we've heard a little bit about already. But please
24 tell the Court the significance of the findings in this paper.

25 A. This was a different type of study. And like Dr. Bennett

1 discussed yesterday, it's pretty complicated, what they did.
2 But essentially what they attempted to do was to understand at
3 what level of differentiation can the 15;17 cause self-renewal.
4 Can you make, basically, a leukemic stem cell at these really
5 differentiated cells if you put into them the 15;17
6 translocation. And the methods for doing that are pretty
7 complicated, but it doesn't matter.

8 Essentially, what they said was, "These findings are
9 consistent with the hypothesis that cancer stem cells may arise
10 from committed progenitors that lack stem cell properties."

11 So what Dr. Bennett pointed out yesterday was that they
12 were relatively mature cells, heading down the granulocytic
13 pathway consistent with his clinical observations that they're
14 HLA-DR positive and these other things that he talked about
15 that put it down that committed -- myeloid committed path.

16 Q. Okay. Let's go to the next slide.

17 So when we talk about a committed granulocytic pathway,
18 we're talking roughly the area that we've got marked in blue
19 here? Is that --

20 A. That is consistent with all of the existing data; yes.

21 Q. Okay. So the Wojiski paper from 2009 -- which actually
22 came out after your deposition, right?

23 A. The Wojiski paper? Yes.

24 Q. Okay.

25 -- that supports the statements by Jandl in '97?

1 A. Completely.

2 Q. And supports your opinions in this case?

3 A. Yes.

4 Q. Having gone back and reviewed this literature, do you
5 agree with Dr. Smith that the opinion in your report was
6 completely out of date?

7 A. That is not true.

8 Q. Okay. And in his supplemental report, Dr. Smith actually
9 quoted from the abstract from a few papers that I'd like to
10 talk about as well. Do you recall the papers that he quoted in
11 his supplemental report?

12 A. In support of his position that they can all come from the
13 same cell, yes, I do.

14 MR. GRAY: Your Honor, if we could switch to the ELM0.

15 THE COURT: Let me ask an informational question
16 quickly. What does the acronym "GMP" stand for on that chart?

17 THE WITNESS: "GMP" stands for "granulocyte
18 macrophage" -- or "monocyte precursor." So you could see that
19 one still has the ability to turn into granulocytes or
20 macrophages.

21 BY MR. GRAY:

22 Q. Okay. And is that how --

23 THE COURT: I'll give it back to you. Go ahead.

24 BY MR. GRAY:

25 Q. I was going to ask you to point out, for example, that's

1 how other designations on the chart are made. For example,
2 "MEP" relates to the ability to form an erythrocyte or a
3 platelet, correct?

4 A. Megakaryocytic. The "M" stands for "megakaryocytic,"
5 which is the big cell that produces platelets, and the "E"
6 stands for "erythroblasts," and then "P," yeah, the precursor
7 or progenitor.

8 Q. And the "P," is that for "platelet"?

9 A. No. "P" is for "progenitor." So "MEP" would be
10 "megakaryocytic erythroblasts" or "erythrocytic progenitor."

11 Q. Okay. Let's go back to the ELMO.

12 So this is the Misaghian paper from 2008 that Dr. Smith
13 cited for support that he is right that there's one common cell
14 of origin for all AMLs and that you're wrong. I've got a quote
15 I've highlighted here. I'm just going to read it, Dr. Pyatt.
16 "Some studies suggest that the original target of cellular
17 transformation of the LSC arises from the HSC compartment.
18 However, other studies by Cozzio, et al, using lethally
19 irradiated recipient mice, have demonstrated that the
20 oncogenic...chromosomal translocations can transform not only
21 HSCs, but also myeloid-restricted progenitors that lack
22 self-renewal capacity."

23 Did I read that correctly?

24 A. Yeah, except for the dot, dot, dots. But yes, you did.

25 (Laughter.)

1 BY MR. GRAY:

2 Q. I wanted to make sure you were listening very carefully.

3 Does that statement in the Misaghian paper support what
4 you've been saying all along?

5 A. It does.

6 Q. I've got one more paper to put up on the ELMO that Dr.
7 Smith cited as proof that you were out of date. And this is
8 the Passequé paper from 2003. And I'll read from this.

9 "Although HSCs are often the target of genetic events leading
10 to malignant transformations, committed progenitors or even
11 differentiated cells may also become transformed. In APML
12 patient samples, the M3 subtype of AML, it has been shown that
13 the APML associated fusion gene PML/retinoic acid receptor
14 RAR-alpha, which can result from the t(15;17) balanced
15 reciprocal translocation, was present in the CD34-/CD38+ cell
16 populations but not in CD34+/CD38- HSC enriched-cell
17 populations. This observation suggests that in APML the
18 transformation process may involve a more differentiated cell
19 type than HSCs and/or pluripotent progenitors that have been
20 implicated in other AML subtypes."

21 I think I did a little bit better job reading that one.

22 Does -- when Passequé is talking about APML, they're
23 talking about AML, correct?

24 A. Yeah, it's just a different acronym. Acute promyelocytic
25 leukemia is what APML stands for.

1 Q. And this reference to the CD34-/CD38+ study that goes to
2 Footnote 76, they're actually referring there to the Turhan
3 paper from 1995 that we've already discussed, correct?

4 A. Correct.

5 Q. So the evidence about the cell of origin in APL that
6 existed before '97 that Jandl was relying upon is still cited
7 in recent literature as very relevant, correct?

8 A. Yes, it is.

9 Q. And does the passage I read from Passequé further support
10 your opinion about the cell of origin of APL that we've been
11 discussing?

12 A. I certainly think it does, yes.

13 Q. Now, I want to show on the ELMO a slide from Dr. Smith's
14 presentation. And I believe the second bullet point there is
15 related to the cell-of-origin argument. I'm not exactly sure.
16 But the slide said, "Benzene is an established cause of AML and
17 acts in at least two ways to cause leukemia. The second way is
18 it recruits stem cells into dividing." And there Dr. Smith
19 cited a paper by Richard Irons from 1992?

20 A. Yes.

21 Q. Do you recall that testimony?

22 A. I recall that paper.

23 Q. Okay. You were actually working with Dr. Irons' group
24 when that research was being finished up for that paper; is
25 that right?

1 A. I was. I had just joined the Irons lab three or four
2 months prior to the publication of that paper.

3 Q. Okay. And we're not going to go into detail about the
4 paper, but I've got it up on the ELMO. The paper is
5 "Synergistic Action of the Benzene Metabolite Hydroquinone on
6 Myelopoietic Stimulating Activity of Granulocyte/Macrophage
7 Colony Stimulating Factor In Vitro"?

8 A. It's a mouthful, I understand.

9 Q. You weren't listed as an author on that paper, because
10 that paper was well underway when you arrived to the Irons
11 group?

12 A. Yes. Yes, I wasn't.

13 Q. Let me ask you about this paper. Did this paper conclude
14 that benzene causes APL through stem cell recruitment?

15 A. No.

16 Q. Okay. What did Irons and his group find in this paper?
17 It was a mouse study, correct?

18 A. It was.

19 Q. What did they find in these mice?

20 A. We were using C56 -- it doesn't matter what kind of mice
21 we were using, but basically we were taking the bone marrow
22 cells out of the mice, treating them with benzene metabolites,
23 and then observing their responsiveness to various growth
24 factors. One of the things that we never discussed in these
25 hierarchies, because it's just one more layer of complexity, is

1 that what drives that differentiation is their responsiveness
2 to growth factors. And so early cells don't have receptors for
3 the growth factors, so they ignore it. When they take another
4 step toward maturation, they express a receptor for that growth
5 factor and then they respond to it. So that is what
6 orchestrates this whole process.

7 So what we were looking at was how can benzene, if at all,
8 or its metabolites, change the way these cells respond to the
9 various growth factors. And the ones that they were using,
10 which we used throughout, was GM, granulocytic macrophage,
11 colony stimulating factor so the colony is -- well, we'll get
12 to that. But that's how you assay these cells.

13 Q. Okay. And what you found -- or what the Irons group found
14 was that the benzene metabolites accelerated the growth of the
15 cells from that colony; is that right?

16 A. That -- not quite. What we found, and what was reported
17 in this paper, was that cells treated with hydroquinone became
18 more responsive to the growth factor. They didn't grow any
19 faster, but more of them responded to the growth factor. So
20 the idea is that perhaps what hydroquinone is doing is pulling
21 more primitive cells into a place where they can respond to
22 that growth factor that we could then measure -- or was
23 measured in this colony-forming unit assay. That was the
24 essence of this paper.

25 Q. Okay. Are you aware of the Irons group ever replicating

1 these findings in mice in humans or human cells?

2 A. To my knowledge it's never been published, for sure.

3 Q. Okay. Now, on cross-examination of Dr. Smith, Mr. Leghorn
4 pointed out to Dr. Smith that the paper that has Lan as the
5 first author, but Dr. Smith wanted us all to know that he
6 actually wrote, that the findings of Lan in human populations
7 were inconsistent with the findings in the Irons paper.

8 Can you explain whether there's an inconsistency -- and if
9 so, why -- between the Irons findings of -- well, if you can
10 explain the inconsistencies.

11 A. Yeah, well, it's totally opposite. If you look at the
12 second panel, CFU-GM, that is exactly what we were doing -- or
13 that was what was published in that PNA assay from 1992. And
14 instead of seeing an enhancement, there is a suppression. So
15 it's 180 degrees different. The one that he was talking about,
16 the CFU-GEMM, which is a relatively immature human precursor
17 that the mouse -- well, it's hard to assay that in the murine
18 model, but they ostensibly have one. But anyway, you could see
19 across the board there is suppression; there is no enhancement.
20 So it is very different than what was reported in the '92
21 paper.

22 Q. Okay. And do you think the '92 paper in any way can be
23 read to support Dr. Smith's opinion about the cell of origin of
24 APL?

25 A. That's a huge stretch.

1 Q. Okay. And when Dr. Smith was asked about this apparent
2 inconsistency, he said --

3 MR. GRAY: And this is from the rough transcript. I
4 don't have a page number, your Honor.

5 BY MR. GRAY:

6 Q. "We were not counting the cells. We were actually looking
7 as to whether they would form colonies in 14 days.

8 "Question: And you found a decrease?

9 "Answer: Right.

10 "Question: And that's the opposite of what Irons found in
11 his study, correct?

12 "Answer: Well, Irons found that they were being
13 transferred from being quiescent, from being non-dividing, into
14 being dividing. So they could still divide and then die out.

15 "Question: Well, they could, but we don't know?

16 "Answer: We don't know. But Irons was specifically
17 looking at a step from going from" -- we call it "quiescent to
18 dividing. We were looking at whether they could divide and do
19 they keep growing.

20 "Question: And you don't know whether they simply divided
21 or remained alive or --

22 "Answer: Right. In the Irons study, you don't know
23 that."

24 Were you using the same assay in the -- or was the Irons
25 group using the same assay in its paper in '92 that the Smith

1 group was using in the Lan paper?

2 A. Dr. Smith must just not have read that Irons paper very
3 closely, because this is wrong. We were doing exactly the same
4 thing. It's a two-week, 14-day assay. We were looking at how
5 cells respond to growth factors and form colonies. It takes
6 them two weeks to form a colony that's big enough to recognize
7 a diagnosis that you can count on.

8 It's a standard hematopoietic assay. People do it all
9 over the country. They're identical assays from what was
10 reported in the Lan paper in 2004 and what we were -- or the
11 Irons group published in 1992. It's humans versus mice, but
12 the growth factors were the same; the assay was the same.

13 Q. Okay. So to sum up on cell of origin --

14 MR. GRAY: If we could go back to the PowerPoint
15 slide, your Honor.

16 BY MR. GRAY:

17 Q. You gave us your opinion in your initial report and you
18 cited Jandl; Dr. Smith severely criticized you; you've looked
19 at the literature again; you've looked at the papers you found;
20 you've looked at the paper Dr. Smith found. And then he showed
21 up at the hearing with another paper by Irons in '92. Has your
22 opinion changed at all from the opinion you rendered in your
23 initial report about the cell of origin for APL?

24 A. It hasn't changed. I think because of that criticism I
25 have gathered more recent support for that. But I think Jandl

1 was correct, and the papers that he used to support it, as well
2 as those that have been published since then, are all pretty
3 consistently pointing to APL is arising at a more
4 differentiated cell type than other subtypes of AML.

5 Q. Okay.

6 A. That's a long-winded answer. The answer is no, my opinion
7 has not changed.

8 MR. GRAY: Your Honor, we had inquired about the
9 possibility of an early break.

10 THE WITNESS: I'm fine.

11 MR. GRAY: Okay. We'll keep going, then.

12 THE WITNESS: Thank you, though.

13 BY MR. GRAY:

14 Q. Okay. Let's go to the next slide, please. So we're
15 moving on from cell of origin. Everybody's probably happy that
16 we're done with that for a while.

17 Point 2, the second part of Dr. Smith's hypotheses about
18 biological plausibility which I stated earlier as because
19 benzene can cause some chromosome abnormalities, it therefore
20 can cause the 15;17 translocation. Have you looked at whether
21 or not benzene can cause the 15;17 translocation?

22 A. Yes.

23 Q. And what have you found?

24 A. Well, I mean, I followed that literature very closely.

25 And there's never been a report of benzene causing the 15;17.

1 Q. Okay. And as Dr. Bennett described earlier, this slide
2 says, "Over 90 percent of APLs involve the 15;17
3 translocation." I believe Dr. Bennett had a number around the
4 97 percent range. Do you disagree with Dr. Bennett's testimony
5 about that?

6 A. No.

7 Q. Okay. Is that something you probably would defer to Dr.
8 Bennett on?

9 A. I would.

10 Q. He may not be an expert on everything, but he's an expert
11 on clinical hematopathology?

12 A. I wouldn't argue with his opinion on how many APLs have
13 the 15;17, if that's what you're asking me.

14 Q. And of course that other small percentage, whatever it is,
15 of APLs that don't have 15;17, researchers have concluded they
16 involve a translocation of some type at Chromosome 17, correct?

17 A. That's correct, which is pretty interesting to hear that.

18 Q. Okay. Let's go to the next slide.

19 This paper is by McHale, but actually, Dr. Smith is an
20 author, correct?

21 A. Yes.

22 Q. And what was Dr. McHale, Smith and others -- it looks like
23 about 12 them -- what were they looking for in this McHale
24 paper?

25 A. There's been a whole series of these studies that Dr.

1 Smith's group, the various members, have published through the
2 years looking at which cytogenetic abnormalities seem to be
3 related to benzene exposure. And this is just the latest one
4 in that series. But in this one they specifically address the
5 15;17. And they find one in the unexposed and one in the
6 exposed and reach a conclusion that it doesn't really say much
7 one way or another.

8 Q. And Dr. Smith, as you've said, he's conducted other
9 studies of cytogenetic changes in benzene-exposed subjects,
10 right?

11 A. Yes.

12 Q. Okay. And so far neither he nor anyone else has found an
13 increase in t(15;17) in benzene-exposed populations?

14 A. That's certainly based on the published literature, yes.

15 Q. And let's go to the next slide. Now, this is Dr. Smith's
16 counter to the hard evidence that no one has found t(15;17) in
17 benzene-exposed workers. And I'll read it. Dr. Smith says,
18 well, "APL is characterized by a chromosome translocation
19 involving Chromosome 17 and a partner chromosome in which the
20 two chromosome pairs undergo double-stranded breaks and
21 rearrangement. Since benzene is a clastogenic and has the
22 capability of breaking and rearranging chromosomes, it is
23 biologically plausible for benzene to cause this cytogenetic
24 abnormality." I'm not going to read the rest of it, but that
25 is a part of Paragraph 28B of his report.

1 MR. GRAY: If we could go to the next slide.

2 BY MR. GRAY:

3 Q. So can you lay out for us your understanding of Dr.
4 Smith's argument and then what some of the evidence is on this
5 point?

6 A. Well, I think we pretty much have gone over that. I mean,
7 I think, in essence, what he's saying is that, since benzene
8 exposure has been associated with a balanced translocation,
9 then any balanced translocation is now fair game and possible
10 for benzene to cause. And it's related to an increase in the
11 translocation between 8;21 that he reported in one study but
12 then didn't see it in another study, but then he also saw a
13 translocation between Chromosomes 14 and 18, which are relevant
14 for this discussion because it's a lymphoid marker. But he has
15 shown that balanced translocations occur with a 15;17.
16 However, there is no evidence that that happens.

17 And he discussed on Tuesday that he doesn't think that
18 benzene causes the 11q23 translocation any longer, which had
19 quite a bit of relevance to the topoisomerase-inhibition
20 argument. But at this point this indicates that that clearly
21 is not true, that just because you can cause one balanced
22 translocation you are not going to be able to cause all of the
23 other ones that exist.

24 Q. Okay. Let's go to the next slide.

25 Now, this is a 2005 paper by Dr. Zhang, but I believe Dr.

1 Smith was also an author of the study. What did the Zhang
2 paper find with respect to the metabolites of benzene's ability
3 to cause some chromosomal abnormalities? And you don't
4 necessarily have to read it, but tell us what they found.

5 A. I don't recall what was in that paper. I'd have to get it
6 out and look. I mean, essentially they were looking for
7 trisomy 8 and others. At the end Dr. Smith and his co-authors
8 basically concluded that 5 and 7 seemed to be consistently
9 reported associated with benzene. He doesn't think, based on
10 this, that trisomy 8 probably has anything to do with it. I
11 think what he says, "Thus, if hydroquinone and benzenetriol,"
12 the BT, "do selectively damage certain chromosomes in giving
13 rise to benzene-induced leukemia, they do so by selectively
14 causing loss of Chromosome 5 and 7, rather than a gain
15 (trisomy) of Chromosome 8."

16 Q. Okay. Let me ask you a question there: Yesterday -- and
17 we were at the end of the day with Dr. Bennett, but he had a
18 slide up that talked about alkylating agents as opposed to topo
19 II inhibitors. Do you recall that testimony?

20 A. Yes.

21 Q. And alkylating agents also tend to cause the chromosomal
22 abnormalities at 5 and 7; is that fair?

23 A. They do, in a large proportion of cases, which is why lots
24 of researchers through the years, including Dr. Smith, have
25 reasoned that while benzene is not a classic alkylating agent

1 like some of the chemotherapy agents are, it seems to be acting
2 in that manner causing leukemia because you do consistently see
3 the 5 and 7 elevated across a lot of studies.

4 Q. So in this 2005 paper Dr. Smith is pointing to evidence
5 that benzene acts similar to an alkylating agent, and that's
6 possibly how it causes leukemia?

7 A. Absolutely. I mean, you're not going to see changes in
8 Chromosomes 5 or 7 if the mechanism of activity is
9 topoisomerase inhibition. That is a different path; those
10 don't cross over.

11 Q. Topo II inhibitors cause other types of --

12 A. They do. They rarely, if ever, will be related in changes
13 in Chromosome 5 and/or 7.

14 Q. Okay. Is the most important piece of data refuting Dr.
15 Smith's argument or hypotheses about cytogenetics the fact that
16 benzene hasn't been shown to cause the t(15;17) translocation?

17 A. Well, I think that is an important point. I mean, I
18 think, in all fairness, you have to point out that it's only
19 been looked for once, but it certainly was not consistent with
20 his theory. Yes, that is important, but that's really about as
21 far as you could go with that.

22 Q. Okay. And then the second part is it's not established
23 that just because an agent can cause one translocation, that it
24 therefore causes all of them?

25 A. Yeah, absolutely that's not true.

1 Q. Okay. Let's go to the next slide. And again, taking a
2 step back, we've now talked about the first two of Dr. Smith's
3 three hypotheses about biological plausibility. We've talked
4 about the cell of origin. We've talked about the evidence
5 relating to chromosome abnormalities.

6 This third topic is admittedly a little more complicated,
7 perhaps. But here in Paragraph 28C, I'm going to read just a
8 little bit of Dr. Smith's topo II inhibition argument where he
9 begins by saying, "It is biologically plausible that exposure
10 to benzene actually induces the chromosome translocations that
11 result in APL through the inhibition of an enzyme called
12 topoisomerase II," which from now on we'll call topo II.

13 And if we could go to the next slide, please. Here you've
14 summarized Dr. Smith's basic hypotheses and the lines of
15 evidence. Can you walk the Court through those with specific
16 attention to how we're going to address each of these four
17 lines of evidence?

18 A. Sure. I'll try. And this is of critical importance to
19 Dr. Smith's biological plausibility argument. I mean, if
20 benzene is not acting as a topoisomerase inhibitor -- and by
21 that, that is the way to induce leukemia -- you're not going to
22 get APL. So this is fundamentally important to really what he
23 is -- he's professing. And basically what he's saying is that
24 some topoisomerase inhibitors cause APL, benzene metabolites
25 inhibit topoisomerase II, so therefore, benzene probably causes

1 APL. So that's kind of the line that he's drawn. And we'll
2 discuss that not all topoisomerase inhibitors are known to
3 cause forms of leukemia. And even Dr. Smith's testimony on
4 Tuesday really focused down this -- the mechanism by which it
5 inhibits topoisomerase II. And we'll have to discuss that.

6 "Evidence regarding the mechanism of inhibition of topo II
7 by benzene metabolites is conflicting." That's the paper that
8 I was involved in, and some from the University of North
9 Carolina. And it may inhibit the enzyme, but that may not be a
10 viable pathway to leukemia. So we can discuss that.

11 "Benzene does not cause the predominate cytogenetic lesion
12 associated with topoisomerase II-induced AML." That's pretty
13 important. The most common cytogenetic change that you would
14 expect to see in a person who has leukemia following treatment
15 with topoisomerase II therapy is 11q23. That is a
16 predominate -- in 100 studies across the board that is the
17 predominate cytogenetic change. And Dr. Smith has never shown
18 11q23, and then discussed on Tuesday some unpublished data that
19 he basically doesn't think it happens that way.

20 So now not that it's inhibiting topo II, but that it's
21 inhibiting topo II in a very specific manner, a manner that is
22 different from classic poisons like etoposide that do cause
23 11q23, it has to be doing something different. The bottom line
24 is all of this remains an unproven hypothesis, and Dr. Smith
25 freely acknowledges that this is a hypothesis.

1 Q. In his published peer-reviewed papers he acknowledges
2 that?

3 A. That is my understanding, yes. Well, that -- I mean, I
4 don't think anyone will argue that benzene metabolites under
5 certain conditions can inhibit the enzyme. So that has gone
6 beyond an hypothesis. But that that is a critical step in the
7 development of a benzene-induced leukemia, that is a
8 hypothesis.

9 Q. Thank you for the clarification. Yes. Okay. Let's go to
10 the next slide.

11 Here we've got some topo II inhibitors that we're exposed
12 to every day. And I want to ask you specifically about three
13 of these. First, in the upper left corner, ciprofloxacin.
14 Perhaps we can just call it "cipro" for the rest of this
15 testimony. Tell us about Cipro.

16 A. It's a broad-spectrum antibiotic that's been shown to
17 inhibit topo II. And there are fibro flavonoids that are in
18 the vegetables; there are all of these naturally occurring
19 chemicals in wheat bread and green tea and soy, soy milk, tofu.
20 You know, they're all over the place. Topoisomerase II
21 inhibitors are a natural part of our diet.

22 Apparently, if anyone has ever shown that a chemical can
23 inhibit topo II, someone else has come along and said, well,
24 maybe it causes leukemia. So there does seem to be a natural
25 process, and I guess by definition, you know, that that's

1 something that someone should be considering. But that was the
2 purpose of this slide, just to show that there's a lot of
3 different things out there that cause topoisomerase II
4 inhibition in the lab.

5 Q. And in your opinion, do all of these topo II inhibitors
6 cause leukemia?

7 A. No. No, there's certainly no solid support for any of
8 that.

9 Q. Your kids are still eating fresh vegetables?

10 A. Well, not often, but --

11 (Laughter.)

12 THE WITNESS: Not because of the topo II inhibition is
13 not why they don't eat it.

14 BY MR. GRAY:

15 Q. You continue to advise your children to eat fresh
16 vegetables?

17 A. Yes.

18 Q. Fair enough. I was rushing through that slide. Thank you
19 for the overview.

20 Let's go back to Cipro. Has it been established that
21 Cipro causes leukemia via topo II inhibition?

22 A. I don't think that one has even been suggested. I don't
23 think I've seen anyone say "maybe." But there might be a paper
24 out there where someone has said "maybe." I don't know.

25 Q. So that's a piece of evidence that tends to disprove Dr.

1 Smith's hypothesis that all topo II inhibitors cause AML,
2 correct?

3 A. I don't think Dr. Smith ever said that, that all
4 topoisomerase II inhibitors cause leukemia. But it illustrates
5 the point that you've got to know a lot more; that simply
6 because something can inhibit topoisomerase II does not get you
7 to the fact that it's going to be leukemogenic. That's not the
8 point.

9 Q. Okay. Fair enough. Let's talk next about Extra-Strength
10 Tylenol which contains acetaminophen. Is there a metabolite of
11 acetaminophen that's been recognized as a topo II inhibitor?

12 A. Yes, by Neil Osheroff's group at UNC.

13 Q. Do you think it's been established in the scientific
14 community that Tylenol causes leukemia in people who take it?

15 A. That's a really big deal. Just like Dr. Garabrant said
16 yesterday -- I think it may have been yesterday -- I mean,
17 there is a paper that Dr. Smith pointed out where someone said
18 that maybe -- maybe Tylenol increases the risk of leukemia, but
19 that is very speculative. It's really premature. And I think
20 if anyone seriously thought that Tylenol, acetaminophen, causes
21 leukemia, we would have heard about it.

22 Q. Do you think the folks at the NTP that we heard about the
23 other day, or maybe the FDA scientists, believe that Tylenol
24 caused leukemia?

25 A. I think the FDA would for sure. I don't know whether the

1 NTP would sit up and take notice of that or not because they
2 have a relatively specific function. But I know the FDA would
3 be keenly interested in that. And I'm sure they reviewed that
4 paper from 2006 and came to the same conclusion that I did,
5 that it's a hypothesis-generating paper at best.

6 Q. Okay. I could have gone to the Walgreens or the CVS and
7 done it, but I didn't. But you could go get Tylenol off the
8 shelf today?

9 A. Yeah.

10 Q. No black box warning about leukemia?

11 A. No.

12 Q. Okay.

13 MR. GRAY: Let's, if we can, go to the ELMO, your
14 Honor, briefly, and look at --

15 BY MR. GRAY:

16 Q. This is a paper by Dr. Weiss's group, "Opposing Effects
17 of Aspirin and Acetaminophen Use on Risk of Adult Acute
18 Leukemia," correct?

19 A. Yes.

20 Q. And I want to look at the table here that analyzes the
21 data. Can you describe for the Court the importance of finding
22 a dose-response relationship when you're assessing the toxicity
23 or carcinogenicity of a particular substance?

24 A. I don't think the importance of that can possibly be
25 overstated. I mean, that is a fundamental principle upon which

1 the whole field of toxicology is based. And that's why I feel
2 relatively safe in saying that, at best, this is a hypothesis
3 generation; this certainly does not prove really anything.

4 Q. Okay. And we're looking at a table -- I believe it's
5 Table 3 -- where they analyze the cumulative acetaminophen use.
6 And this is down there on the fourth row of data, I would say.
7 And over to the right there are adjusted odds ratios for
8 moderate use and high use. What did this paper find about
9 people who took a lot of acetaminophen as opposed to people who
10 just took moderate amounts?

11 A. Well, yeah, let's just discuss that whole table. So that
12 table really is looking at acute leukemias. So they have not
13 separated out myeloid versus lymphoid. And in the never-ever
14 category, there was an increase from 1.0, which was the
15 reference group, never, to 1.53, which was statistically
16 significant, which is what's in the abstract. But then when
17 you look at the data, based on the frequency where it's 1.75 or
18 1.74 to the duration -- and that doesn't change. The duration
19 of use doesn't change -- the cumulative exposure actually goes
20 down. So no measure of a dose response really indicates any
21 change increase in the risk.

22 I mean, almost by definition that means that there is some
23 other confounder. There's something else in there that is
24 causing that first risk that is not acetaminophen. I mean,
25 almost definitionally that's what that would indicate.

1 Q. Okay. And in the conclusion -- did the authors of this
2 paper recommend that we should sound the alarm and stop taking
3 Tylenol because, like other topo II inhibitors, it causes
4 leukemia?

5 A. No.

6 Q. In fact, on the last page there -- I don't think we need
7 to read the whole quote -- but did they say further research is
8 needed?

9 A. Yes.

10 Q. And you would --

11 A. Appropriately so.

12 Q. I apologize for interrupting.

13 A. That's all right.

14 Q. Okay. Let's look on the ELMO at another one.

15 What is it in fresh vegetables that is an alleged topo II
16 inhibitor? Or are there many substances?

17 A. Yeah.

18 Q. Is genistein one of them?

19 A. That's one that some people have discussed. But there are
20 lots of other -- isoflavonoids and flavins and bioflavonoids
21 and other things that share a common structure with established
22 topoisomerase inhibitors that probably would be topoisomerase
23 inhibitors at sufficient doses. But genistein, yes, that's one
24 of them that has been suspected.

25 Q. Okay. And in Dr. Ross's paper, "Maternal Exposure to

1 Potential Inhibitors of DNA Topoisomerase II and Infant
2 Leukemia," a report from the Children's Cancer Group, Dr.
3 Ross's study found that for fresh vegetables -- and this is, I
4 believe, for pregnant woman who --

5 A. Yes. Prenatal.

6 Q. So they're measuring leukemia in their children based on
7 what they ate or drank while they were pregnant, right?

8 A. Correct.

9 Q. So for Table I, women who had higher intakes of fresh
10 vegetables -- or who had fresh vegetables four to six times a
11 week or daily -- what did Ross and those researchers find?

12 A. The reason why these papers are important is because I
13 think what Dr. Smith said on Tuesday was that he was surprised
14 by the examples that I put forth that things that inhibit topo
15 II are not leukemogenic. And he said that there's a very
16 strong link -- I don't remember his exact words, but there's a
17 strong link, or very strong link, between genistein in the diet
18 and acute infant leukemia.

19 And I just felt like we needed to look at the basis for
20 that statement, and this is it. This is a paper that Julie
21 Ross published in 1996 where she found an extraordinary risk of
22 infant AML highlighted there 14 -- a 14-fold increase -- or a
23 13.7-fold increase associated with eating fruits and
24 vegetables.

25 Well, that just boggles the mind. I mean, no one accepts

1 that. But that generated this kind of discussion within the
2 literature, well, maybe it's genistein. Fresh fruits and
3 vegetables have genistein in it. Genistein is a topoisomerase
4 II inhibitor. And it's even more complicated than that. And
5 maybe there's some truth to it. But there are other papers
6 that have tried to reproduce this and have looked at fresh
7 vegetables in infant leukemia and found the exact opposite
8 effect, that it is totally protective. So whatever this is
9 saying, it's extremely preliminary.

10 Q. Okay. And these same researchers, for example, found that
11 soy, which contains genistein, in the box in this same table,
12 did not reflect increased incidence of leukemia, correct?

13 A. Well, that certainly argues against it having anything to
14 do with genistein because soy and tofu and soy products are a
15 really good source of genistein. So whatever it is in the
16 fresh fruits and vegetables, it doesn't appear to be genistein
17 or you would expect to see it in the soy category as well.

18 Q. And genistein is another example of a topo II inhibitor
19 which you don't believe causes leukemia, correct?

20 A. Well, I don't believe that there is sufficient evidence to
21 make that claim at this point.

22 Q. And the authors of the Ross paper -- and I won't ask you
23 to read it, but I'll show it up here -- I'm losing it. Did the
24 authors of the Ross paper say that this is a very preliminary
25 investigation?

1 A. Yeah. And they highlighted "very."

2 Q. And the data should be interpreted with extreme caution,
3 correct?

4 A. Yeah. You don't want to go out there and tell pregnant
5 women, "Don't eat fresh fruits and vegetables." I don't think
6 anyone would accept that as a valid medical position to take.

7 Q. Okay. If we could go back to the PowerPoint.

8 Okay. So we've now talked about your opinion that it's
9 not established that all topoisomerase II inhibitors cause
10 leukemia. Let's go to the next line of evidence regarding topo
11 II. Dr. Smith says it's through topo II inhibition that
12 benzene metabolites lead to AML. Can you discuss some of the
13 evidence on that topic?

14 A. Well, yeah. Like we've been discussing, I think the
15 evidence is pretty clear that benzene metabolites, under
16 extremely controlled experimental conditions, can inhibit this
17 enzyme. But now it's a step beyond that, even, and it has to
18 be inhibiting the enzyme in a pretty specific way, which we can
19 discuss. There was some debate about what we actually
20 reasoned, that it may be inhibiting the enzyme in a way that
21 was not consistent with it causing leukemia, and published that
22 paper in 2001. And then there was some disagreement in the
23 literature that said perhaps we were wrong, but we'll get into
24 those discussions later.

25 Q. Let's talk about the paper that you co-wrote with Dr.

1 Baker. What did you find in that paper?

2 A. Well, we found that it is inhibiting the activity of the
3 enzyme, but it inhibits the enzyme before the enzyme binds to
4 DNA. So if it is inhibiting the enzyme before the enzyme binds
5 to DNA, it does not form what are called cleavable complexes,
6 which means that it is not a viable mechanism for leukemia; it
7 is not damaging the DNA. And, in fact, we reported in this
8 paper that it actually prevented the DNA damage that you would
9 see from etoposides.

10 So etoposides clearly cause cleavable complexes; it's
11 called a topo II poison. And we reported in 2001 that the
12 benzene metabolites prevented the etoposide from damaging the
13 DNA. So it is inhibiting the enzyme but it is not inhibiting
14 the enzyme by a mechanism that really is --

15 Q. So you're saying that different so-called topo II
16 inhibitors cause different effects, in other words?

17 A. There's no question.

18 Q. Okay. They don't all act the same?

19 A. They do not all act the same.

20 Q. Now let's look at Dr. Lindsey's paper in the next slide.

21 A. What Neil Osheroff's group basically said is that they
22 went in and redid -- they didn't do it -- but they went in and
23 did their own set of experiments and said we are wrong, that
24 benzene metabolites do, in fact, cause cleavable complexes, and
25 the reason we didn't see those complexes was because we had too

1 much of a reducing agent in the media and some other
2 technicalities with regard to how we set up the experiment.
3 So you've got our paper that says it doesn't cause cleavable
4 complexes and there's a paper that says that it does.

5 Q. And the reason why you got one set of findings and they
6 got another set of findings was because of how the test was set
7 up in the lab, right?

8 A. That was certainly their interpretation. We never tried
9 to go back and confirm what they had done and escalate this
10 further, but they clearly did it differently than we did it.

11 Q. Well, were either of these papers studies of tissue within
12 the bone marrow?

13 A. No. These were all test tube kinds of in vitro
14 experimentation. And I think the outstanding question remains
15 which of those two experimental conditions most accurately
16 mimics what one would find in the bone marrow. And no one
17 knows the answer to that. So they could be right and it could
18 cause cleavable complexes in the bone marrow, or they could be
19 wrong and it wouldn't cause cleavable complexes in the marrow.

20 They pointed out, however, that the most common form of
21 cleavable complexes from topoisomerase II are from poisons like
22 etoposide. The cytogenetic change associated with etoposide
23 and topo II poisons is 11q23, which Dr. Smith acknowledged is
24 not likely to be associated with benzene. So we've taken it
25 even one more step away from -- you know, just because you can

1 inhibit topo II, you can get to leukemia.

2 Q. Okay. And that's taking us now to our third point. But
3 just to back up on the lines of evidence about Topo II, number
4 one, not all topo II inhibitors cause leukemia; number two, the
5 exact way in which benzene metabolites interact with topo II is
6 not completely understood. Is that fair to say based upon the
7 differences in these papers, how it interacts in the bone
8 marrow?

9 A. I think the relevance of the two artificial systems with
10 regard to a human bone marrow is still up in the air.

11 Q. Fair enough. And now we're moving into the third line of
12 evidence about whether or not Dr. Smith's hypotheses about topo
13 II has been proven. And that is the importance of the 11q23
14 translocation, correct?

15 Okay. If we could go to the next --

16 A. I had forgotten we had that slide, but yes.

17 Q. Okay. And so if benzene caused -- strike that.

18 Many topo II inhibitors such as etoposide, which you just
19 discussed, cause the 11q23 translocation, correct?

20 A. I'm sorry. Say it again? Ask your question again.

21 Q. Do many topo II inhibitors cause the 11q23 translocation?

22 A. Topo II inhibitors that are known to act as topo poisons
23 cause cleavable complexes, and in AML patients where you think
24 their AML is most likely associated with topo II inhibitors,
25 you see the 11q23 translocation as the most common cytogenetic

1 change.

2 Q. Okay. And at the next slide, have Dr. Smith and Zhang
3 done some research to see if you find the 11q23 translocation
4 in benzene-exposed workers?

5 A. They have.

6 Q. Okay. And could they find it?

7 A. They could not. The coworkers, Dr. Smith and others in
8 this Zhang 2007 paper, looked for two partner genes with the
9 11q23, acknowledging in their abstract and other places that
10 there could be as many as 30 different partner genes. But
11 there are some that are more common, and these are two that are
12 the most common. But he was not able to find 11q23
13 translocations in benzene workers.

14 And then apparently, as of Tuesday, he has done something
15 additional that is going to be published where he confirms that
16 that doesn't appear to be happening; the 11q23 does not appear
17 to be associated with benzene exposure.

18 Q. Well, that third line of evidence on the topo II
19 hypotheses tends to disprove the hypotheses as far as benzene
20 metabolites don't appear to cause 11q23?

21 A. I think that's becoming more and more clear, yes, that
22 benzene metabolites are not going to be associated with an
23 increase in 11q23 translocations.

24 Q. Okay. And topo II inhibition is something that Dr. Smith
25 has published extensively on -- or has published several times

1 about, correct? He's written about it?

2 A. I don't know that he has.

3 Q. Okay. Well, I'll ask it this way: He's discussed whether
4 or not it's established that benzene causes leukemia via topo
5 II inhibition in his published papers, correct?

6 A. He has. His first graduate student, David Eastmond, I
7 think, was the first person who really came up with this notion
8 based on some structure-activity relationship that maybe the
9 benzene metabolites inhibit topo II and that's how they cause
10 leukemia. But I don't know that Dr. Smith has published papers
11 on it, per se.

12 MR. GRAY: Okay. If we could go back to the ELM0.

13 BY MR. GRAY:

14 Q. And this is just a quote out of this 2007 paper that we
15 were just discussing by Dr. Zhang and Dr. Smith. You're
16 familiar with this study. We were just talking about it,
17 correct?

18 A. Yes.

19 Q. And when Dr. Smith -- and this is in a peer-reviewed
20 journal?

21 A. Yes.

22 Q. Okay. What is -- I'll let you pour some water.

23 A. I'm listening. Go ahead.

24 Q. Can you tell the Court briefly what does it mean for a
25 journal to be peer-reviewed?

1 A. What does it mean? How does it work?

2 Q. Yeah, how does it work?

3 A. Well, you write your paper; you collect your data, make
4 sure you're right, at least that you can reproduce what you're
5 doing. And then, like Dr. Bennett said yesterday, you shoot
6 for the stars. You want to get it published in the best
7 journal you can within, you know, some bounds of realism. So
8 you don't submit everything to Science or Nature; you pick a
9 journal that you think is -- the best journal that you think
10 it's likely to get published in.

11 Then you submit it and the editor reads it, usually, and
12 says, "No, this doesn't really match," and he'll reject it on
13 an editorial basis, or he'll send it out for peer review. And
14 lots of people sit on panels and do that peer-review process,
15 which then look at the data, evaluate the appropriateness of
16 the experiment, evaluate the appropriateness of the conclusions
17 in relationship to the data, and then weigh in as to whether or
18 not it warrants publication, whether it has advanced the
19 science enough to be in the journal.

20 So that, in essence, is it. Then they decide yes, no, you
21 can get it published with these revisions. You know, there's
22 lots of alternatives.

23 Q. Okay. And part of that review process is the reviewers
24 are going to look at it and sort of analyze the statements you
25 make in the paper to make sure they're supported? Is that

1 roughly included in the process?

2 A. To a more or less extent based on the journal and based on
3 what the function of the journal is. There are simply some
4 that will provide more leeway in terms of your discussion
5 portion, but...

6 Q. Okay.

7 A. Everyone will pay attention to the data, the mechanism by
8 which the data was collected, the way the data was evaluated
9 and the way those results were presented. That will be true no
10 matter what the journal is.

11 Q. Okay. And in this 2007 peer-reviewed journal, I've
12 highlighted some language here that Dr. Smith and Dr. Zhang and
13 others wrote where they said, "It has been suggested that the
14 metabolites of benzene may act as topo II inhibitors in a
15 manner similar to etoposide and azithromycin which cause
16 disruption of the MLL gene at 11q23 and secondary leukemias
17 with a short latency in some patients after a high dose of
18 chemotherapy."

19 Did Dr. Smith in this paper say more likely than not it's
20 been established that benzene causes leukemia through topo II
21 inhibition?

22 A. That's not what -- the way I read that, no.

23 Q. In 2007 when he's writing in a peer-reviewed journal, he
24 says it's a hypothesis or a suggestion, is the word he uses,
25 correct?

1 A. Yes.

2 Q. And do you agree with Dr. Smith that it is a hypotheses
3 that benzene causes leukemia through topo II inhibition, not an
4 established fact?

5 A. Even a step further, because we've been talking about the
6 11q23, and he's saying here that that may be how it's acting,
7 and I think that's not necessarily going to be accurate.

8 Q. The last thing I want to do, Dr. Pyatt, is just clear up
9 something Dr. Smith said that surprised me a little bit. His
10 testimony, when he was asked about whether or not benzene could
11 cause APL, he said there at -- this is a rough, but the line
12 I'm reading from is line 18, "Before this case I didn't know it
13 was an issue." Do you recall that testimony?

14 A. Yes.

15 Q. Do you think Dr. Smith had reason to believe there was a
16 question about whether or not benzene causes APL before this
17 case was filed and he saw the expert reports in the case?

18 A. It would really surprise me if that wasn't true.

19 Q. Okay. For example, you've published a paper in 2004 which
20 pointed out on page 540 -- and I'm reading from "Benzene and
21 Hematopoietic Malignancies" written by you in 2004. You point
22 out that erythroleukemia, M6, is a frequently reported subtype
23 of AML in benzene-induced leukemia. "In contrast, the
24 incidence of M3, or APL, in those studies involving secondary
25 leukemia after benzene exposure or treatment with alkylating

1 agents approaches zero." And that's something you wrote in
2 2004, correct?

3 A. Yes.

4 Q. And that was based upon Dour or other studies that we've
5 discussed before you wrote your paper in 2004; is that correct?

6 A. Many that we've been discussing today -- or this week.

7 Q. And I showed you this testimony before you came in. This
8 is testimony of Dr. Smith in the Hamman case on January 30,
9 2007. This case apparently involved an M6. And on page 18 Dr.
10 Smith describes what he reviewed to prepare for the deposition.
11 And he says he read your paper that we were just citing, right?

12 A. Yes.

13 Q. And he read it for the proposition that there is increased
14 M6 leukemia in benzene-exposed workers; is that right?

15 A. Yes.

16 Q. And as we just looked at, the sentence that discussed M6
17 in your paper was immediately followed by your statement about
18 M3 and the question that maybe benzene doesn't cause it,
19 correct?

20 A. That's true.

21 Q. So if Dr. Smith really didn't know it was an issue about
22 whether or not benzene can cause APL until this case, it's
23 because he forgot that he read your paper in January 2007
24 before that deposition in the Hamman case. Is that a fair
25 reading?

1 A. I don't know.

2 Q. Well, he wouldn't come in here and lie.

3 A. No.

4 Q. Or you're --

5 A. Or he dismissed it out of hand. I don't know.

6 Q. And on that note we've talked extensively at this
7 hearing -- you've been here -- the Eastmond paper that was
8 published in 2005 also contained the question mark about
9 whether or not benzene caused APL, true?

10 A. Correct.

11 Q. And Eastmond is an active researcher that Dr. Smith knows
12 very well, correct?

13 A. Yes.

14 Q. So earlier when we read his quote from his report where he
15 said "all active researchers I know understand the concept,"
16 Eastmond, at least in 2005, had a question about it?

17 A. He did.

18 Q. And you've seen Dr. Smith's testimony where he said that
19 table from the Eastmond paper actually was first published by
20 Eastmond in the '90s and had question marks about APL. Do you
21 recall that testimony?

22 A. I don't remember exactly what he said, but I think he said
23 David Eastmond produced that paper in the '90s. I don't know
24 if it made it into the publication or not.

25 Q. And Eastmond is an active researcher that Dr. Smith has

1 known since the 1990s?

2 A. Yes.

3 MR. GRAY: If we could go back to the PowerPoint to
4 conclude.

5 BY MR. GRAY:

6 Q. Can you summarize your opinions for us, Dr. Pyatt?

7 A. I think basically the three things that we discussed are
8 hypotheses. They are not proven. And that really is all there
9 is to base his opinion that a causal relationship exists
10 between APL and benzene. The best available current evidence
11 supports that the cell of origin for APL is not the same as
12 other types of leukemia, or even other subtypes of AML.

13 There's no scientific data currently that indicates that
14 benzene exposure results in a 15;17 translocation, and the
15 topoisomerase II story with benzene just doesn't really match
16 up to a straight line between that and the production of APL.
17 So that doesn't work either.

18 MR. GRAY: Dr. Pyatt, those are all the questions I
19 have. Thank you.

20 THE COURT: We'll take the morning recess at this
21 point. Let me just inquire whether we have any time problems.

22 MR. JENSEN: Your Honor, it is my hope -- and it will
23 guide my cross-examination -- that we do an argument after Dr.
24 Pyatt steps down from the stand. I can -- you know, if I have
25 30 minutes or more, I'm fine, but I want to argue while it's

1 fresh in all of our minds. And so I would like to argue today,
2 if it would please the Court.

3 THE COURT: Well, let me separate the two time
4 periods. The 30 minutes was for argument?

5 MR. JENSEN: For me, yes.

6 THE COURT: What do you anticipate your examination
7 will be?

8 MR. JENSEN: I will guide my cross-examination by the
9 argument issue, which I regard as more significant, your Honor.

10 THE COURT: Any views from the other side?

11 MR. LEGHORN: Well, I've always asked the bench what
12 it prefers to do and how you would feel that you would be most
13 informed in making your decision, whether you want argument
14 today --

15 THE COURT: I'm sort of indifferent. I see both sides
16 of the story. There's some advantage to doing it while it's
17 fresh; there's some advantage to letting it absorb and perhaps
18 read some of the materials. I might come down on both sides of
19 the issue, and that is, hear argument now but reserve the
20 possibility of having you back.

21 MR. LEGHORN: That's fine with us, your Honor.

22 MR. GRAY: If I may interrupt Mr. Leghorn. Would we
23 get the opportunity to do some post-hearing briefing to address
24 some of the issues that have been raised here? Not to raise
25 new things, but to provide the Court with what we believe the

1 roadmap was over the course of the hearing? Would the Court be
2 receptive to that?

3 THE COURT: I'm not sure how necessary it is. You're
4 going to give me all the PowerPoint slides anyway. I mean,
5 that's going to be your brief, really. I don't think we need
6 any more briefing on things.

7 MR. LEGHORN: Your Honor, speaking of that, I should
8 have copies of at least defendants' for you today.

9 THE COURT: I'm not going to decide it this afternoon.

10 MR. LEGHORN: Excuse me?

11 THE COURT: I'm said I'm not going to decide it this
12 afternoon.

13 MR. LEGHORN: I just wanted you to know there might be
14 a couple more pieces of paper before we finish that we'll give
15 to the Court before we leave this morning.

16 MR. GRAY: Our CVs and reports that we provided
17 earlier, those have been marked for the hearing, correct?

18 THE COURT: Well, they will be. I have the binder.

19 MR. LEGHORN: Whatever the next number is.

20 THE COURT: Who will be arguing for the respective
21 sides? I gather Mr. Jensen is for plaintiff?

22 MR. LEGHORN: I will be, your Honor.

23 THE COURT: Well, I don't want you to cut short what
24 you think is an appropriate examination, so let's see how
25 things -- well, I understand what you're saying. We have some

1 time this afternoon but not a lot. But one possibility --
2 well, I don't know how long your examination will be. If
3 you -- if we don't finish the witness until one o'clock, I
4 think we can come back and between two and three and have
5 argument. How does that sound to people?

6 MR. JENSEN: I think we'll be finished with the
7 witness before one o'clock even if I do everything I ever
8 wanted to do, your Honor, but that would be fine with us.

9 THE COURT: Why don't we provisionally do that.

10 MR. LEGHORN: Thank you, your Honor.

11 THE CLERK: All rise.

12 Court will take the morning recess.

13 (There is a recess in the proceedings from 11:03 a.m.
14 to 11:30 a.m.)

15 THE CLERK: All rise.

16 A continuation of the Milward Daubert hearing.

17 THE COURT: Go ahead, sir.

18 CROSS-EXAMINATION

19 BY MR. JENSEN:

20 Q. Good morning, Dr. Pyatt.

21 A. Good morning.

22 Q. Are you aware of whether there are occupational medicine
23 experts that take the position that benzene is capable of
24 causing APL?

25 A. As a distinct subtype?

1 Q. Yes.

2 A. I'm not.

3 Q. Okay. Are you familiar with the --

4 MR. JENSEN: If I could have that ELMO, your Honor.

5 BY MR. JENSEN:

6 Q. Are you familiar with the textbook "Occupational Health:
7 Recognizing and Preventing Work-Related Disease" by Dr. Levy
8 and Dr. Wegman?

9 A. I've never seen that book. I'm familiar with Dr. Levy.

10 Q. Okay. You don't know who Dr. Wegman is?

11 A. No.

12 Q. There's of this chapter in this edition, the third edition
13 of the Levy-Wegman book, entitled "Hematologic Disorders." And
14 the chapter itself is written by Bernard Goldstein and Howard
15 Kipen. Do you know who Dr. Goldstein is?

16 A. Yes.

17 Q. And who is Dr. Goldstein?

18 A. Bernie Goldstein? I think he's a retired professor of
19 hematology at the University of Pittsburgh. But he did a lot
20 of early work in some of -- the metabolism of benzene in some
21 animals models, and was one of the early proponents that there
22 was only one metabolite that may be different than the
23 quinones. But he's been doing benzene research for quite some
24 time.

25 Q. And based upon your knowledge of Dr. Goldstein, would you

1 agree that he is a reasonable scientist who has a background in
2 benzene toxicity and benzene causation?

3 A. Dr. Goldstein has made some statements and taken some
4 positions that I disagree with. But I think in answer to your
5 question, by and large, yes.

6 And I know Dr. Kipen well.

7 Q. And you disagree with a lot of scientists who you consider
8 to be reasonable scientists; isn't that fair to say, Doctor?

9 A. Well, I mean, yes. Scientists disagree all the time about
10 a variety of things.

11 Q. Okay. And the mere fact that scientists are disagreeing
12 with each other doesn't mean that either one of them is a bad
13 scientist, correct?

14 A. Well, it depends on what they're disagreeing on.

15 Q. Well, the fact of disagreement alone, you would agree,
16 does not necessitate that either one of them is a bad scientist
17 in general, right?

18 A. Well, I mean, if one scientist was taking a position which
19 is clearly contrary to the established view, and something that
20 was completely obvious to everyone, that they were taking an
21 opinion that was opposite, then that disagreement would
22 indicate something inherent about that one party to the
23 disagreement. So I don't -- I mean, your question is assuming
24 that all of the disagreements are reasonable, and I don't know
25 that that's right.

1 Q. Well, that wasn't my intent, to put that assumption into
2 the question, Doctor. I was asking a different question, which
3 is -- and I'll phrase it differently.

4 A. Okay. Maybe I just misunderstood you.

5 Q. Okay. It's true that reasonable scientific disagreement
6 is an ordinary part of scientific discourse, right?

7 A. Yes.

8 Q. And many times when two sides disagree it's part of
9 reasonable scientific discourse, correct?

10 A. Absolutely.

11 Q. All right. Now, in this chapter by Dr. Goldstein and Dr.
12 Kipen, they have a table, which I'll try and zoom in on here.
13 And the table says "Relationship of Benzene Exposure to
14 Hematologic Disorders." And one heading says "Causality
15 Proven," and it says "pancytopenia and aplastic anemia," and
16 under that it says "acute myelogenous leukemia and variants
17 (including acute myelocytic leukemia, acute promyelocytic
18 leukemia and erythroleukemia)."

19 So Dr. Goldstein and Dr. Tipper [sic] have taken a
20 position in this table in this chapter that benzene exposure is
21 capable of causing APL. Do you agree with that?

22 A. Yes. It's Kipen, E-N.

23 Q. I apologize.

24 A. I think, yes, based on that table.

25 Q. And so this textbook chapter is an illustration of

1 reasonable scientists who take the position in disagreement
2 with you that benzene exposure is, in fact, capable of causing
3 APL, true?

4 A. Well, I think that the -- whether or not that disagreement
5 would be reasonable, I would have to ask Dr. Goldstein or Dr.
6 Kipen what scientific evidence they have evaluated, or perhaps
7 it's in the citation list for that chapter. If they have
8 evaluated all of the same scientific evidence that I've
9 evaluated, then I think what they're saying is true. But if
10 they haven't evaluated all of the available data, or they're
11 making some assumptions in there that I don't think are true,
12 then it would not be reasonable.

13 Q. Okay. Well, even if we don't know because we don't know
14 what was in Dr. Goldstein's head and he's not here to testify
15 and whatnot, whether or not it would be your view that the
16 position taken in the chapter was reasonable with respect to
17 benzene and APL, you do agree that Dr. Goldstein, in general,
18 is a reasonable scientist, correct?

19 A. Yes.

20 Q. Now, are you familiar with this textbook that I'm showing
21 everyone here by -- it's "Occupational and Environmental
22 Medicine" textbook by Dr. Rosenstock and Dr. Cullen, are the
23 first two authors.

24 A. Actually, I think they're the editors. But I have seen
25 that textbook but I'm not familiar with it.

1 Q. All right. So you're familiar with its existence but not
2 its contents?

3 A. Correct.

4 Q. All right. Just for the record, this edition of the book
5 is the second edition, 2005. And here's another chapter. And
6 Dr. Kipen is again one of the authors on this chapter. And it
7 is entitled "Lymphohematopoietic Malignancies." And there's a
8 similar table in this chapter in which Drs. Kipen and
9 Wartenberg describe the known suspected and reported relations
10 between benzene exposure and various hematologic disorders,
11 correct?

12 A. Yes.

13 Q. Okay. And this table, similarly to the table we saw in
14 the Levy and Wegman chapter, it says under "Known: Acute
15 myelogenous leukemia and variants." And when you -- would you
16 agree with me, Doctor, that variants of acute myelogenous
17 leukemia suggest all the subtypes of acute myelogenous
18 leukemia?

19 A. I think that would be one interpretation of it. I mean, I
20 don't think that necessarily is true, but I think that would be
21 one interpretation.

22 Q. Okay. So it is a fair -- it is at least one fair reading
23 of this table to say that these authors had reached the
24 conclusion that benzene exposure is a known cause of APL?

25 A. I think it would be that benzene exposure is a known cause

1 of AML.

2 Q. AML including all of its subtypes. That's at least one
3 fair interpretation of this table, right?

4 A. It would be an interpretation, yes. It would be a fair
5 interpretation. I mean, I think you would have to ask Dr.
6 Kipen or look at the references. You know, based on those
7 references, that might give you some insight into what they
8 were thinking when they put that table together.

9 Q. Is it true in general, Doctor --

10 MR. GRAY: Your Honor, can I see the Kipen text?

11 MR. JENSEN: Sure.

12 BY MR. JENSEN:

13 Q. Is it true in general, Dr. Pyatt, that there are many
14 textbooks in the field of occupational environmental medicine
15 which mention that benzene is a known established cause of AML?

16 A. That wouldn't surprise me.

17 Q. Okay. You've seen some such textbooks, in any event; is
18 that true?

19 A. In what field?

20 Q. In occupational medicine.

21 A. Yes.

22 Q. And you've seen statements to that effect in some of those
23 textbooks that you've reviewed; is that true?

24 A. Yes.

25 Q. And as you sit here today can -- well, let me back up.

1 You've also -- you're also familiar with some hematology
2 textbooks; isn't that true?

3 A. Yes.

4 Q. And isn't it true that many hematology textbooks have
5 statements that indicate that benzene is a known cause of AML?

6 A. Yes.

7 Q. Okay.

8 A. I can think of at least two where that's true.

9 Q. Are you familiar with some oncology textbooks?

10 A. Less so.

11 Q. Okay. Do you know whether any oncology textbooks have
12 statements that indicate that benzene is a known cause of AML?

13 A. I'm not doubting that they exist, but I'm not familiar
14 with them, sitting here.

15 Q. So you've got some familiarity with textbooks, at least in
16 the fields of hematology and occupational and environmental
17 medicine, which state somewhere in the textbook that benzene is
18 a known cause of AML, correct?

19 A. With some exposure caveats, but yes.

20 Q. Sure. And as far as you're aware, Dr. Pyatt, do any of
21 those textbooks qualify that statement by saying "Benzene is a
22 known cause of AML except for certain subvariants or except for
23 APL"? Is that kind of language in any of those textbooks?

24 A. I'm not sure about the Winthrop encyclopedia, kind of, of
25 hematology, but that language is in the Jandl textbook. I

1 mean, it certainly calls into attention the different support
2 for the various subtypes, and it recognizes that these subtypes
3 are important in epidemiology. So I don't recall exactly what
4 he said about it, but he clearly was discussing subtype
5 analysis within that section.

6 Q. Okay. But would you agree that it's fair to say that the
7 vast majority of textbooks in both of those fields that mention
8 benzene and AML do not qualify by subtype?

9 A. I'm sorry. I can't make that -- I mean, I've only looked
10 at two, and one did and one didn't. So in my experience, 50
11 percent of them looked.

12 Q. All right. Dr. Pyatt, you're a toxicologist, correct?

13 A. Yes.

14 Q. And as a toxicologist, you have formal training and also
15 experience in the review and interpretation of epidemiology; is
16 that fair to say?

17 A. Yes.

18 Q. And you also have some training and experience, or at
19 least -- "experience" may not be the right word -- but you have
20 some training and knowledge about how epidemiologists go about
21 the design of epidemiology studies; is that fair to say?

22 A. Yes. I teach an environmental epi class.

23 Q. Doctor, would you agree that when epidemiologists design
24 formal epidemiology studies to test a particular hypothesis
25 that a chemical causes -- a particular chemical causes a

1 particular disease, they're always going to apply some type of
2 statistical analysis as part of that process?

3 A. I think the statistical analysis is going to be intrinsic
4 in any data analysis section you're going to employ statistics
5 to allow you to evaluate the chance -- the potential role that
6 chance played in the data that you've accumulated.

7 Q. Well, even before you get to the role that chance plays,
8 statistical analysis is part of determining whether there's an
9 association to begin with, right?

10 A. Well, that is what I'm talking about.

11 Q. All right. So there's a -- there's statistical analysis
12 applied to measuring an association between the exposure and
13 chemical, correct, or the lack of an association?

14 A. Right. And there's probably -- I mean, there's
15 statistical analysis used to evaluate the -- how big the study
16 needs to be based on disease incidence and lots of other
17 aspects of the study.

18 Q. Right. And so calculations -- on the association point
19 it's measured quantitatively and it's defined differently
20 depending on what kind of study it is, but in most cohort
21 studies it would be a relative risk calculation; is that fair
22 to say?

23 A. In those cohorts? Well, they're all relative risks at one
24 point. Usually you would have a standardized mortality ratio
25 or a standardized incidence ratio, but those are essentially

1 relative risks. So sure.

2 Q. And it could be an odds ratio in a case-control study?

3 A. Same. Same residual concept.

4 Q. It's all measuring the extent to which the exposure at
5 issue is connected with the disease at issue, right?

6 A. Potentially, yes.

7 Q. And it's attempting to quantify the extent to which there
8 may be an association. The association itself is measured by
9 those numbers: relative risk, standardized mortality ratio,
10 odds ratio, whatever it is?

11 A. It's described by those numbers, yes.

12 Q. And there's separate -- or in connection with measuring
13 those associations, they also -- epidemiologists will
14 design their epidemiology studies to do statistical analysis to
15 attempt to gauge the likelihood that any association was due to
16 chance alone, right?

17 A. Correct.

18 Q. And many studies will also be designed -- not necessarily
19 all of them -- but also will be designed to do an even more
20 statistical analysis to try to quantitatively assess whether
21 any measured association might be due to confounding effects,
22 correct?

23 A. I understand the concept of that. I don't know
24 mathematically how they do that, how they stratify out various
25 confounders.

1 Q. But, in fact, you do see language in many epidemiology
2 studies, Dr. Pyatt, that say "We adjusted for age, sex, race,"
3 et cetera, that all of which they've done -- the purpose of
4 which is to try and eliminate or quantitatively assess whether
5 the association is caused by these potential confounding
6 variables, right?

7 A. Or at least that the potential association is impacted
8 upon by those various confounders, yes.

9 Q. And that's more math that epidemiologists are doing,
10 correct?

11 A. Correct.

12 Q. And all of that is a quantified calculation, mathematical
13 process that we've been talking about, that epidemiologists do
14 when they're designing a study to test a particular hypothesis
15 that a chemical causes a particular disease, correct?

16 A. Can you repeat that one again?

17 Q. Yeah, that was bad. We've been describing a quantified
18 process -- a calculation process that epidemiologists go
19 through when they design formal epidemiology studies to test
20 the hypothesis that a chemical causes a particular disease,
21 right?

22 A. Yes.

23 Q. And as far as you know, if that is the primary purpose of
24 the study, to test that kind of hypothesis, that kind of
25 statistical analysis is always part of the study design,

1 correct?

2 A. I mean, if it's a quantitative epi study, of course. It
3 has to have math.

4 Q. Right. Okay. Now, given what we've just been through,
5 Dr. Pyatt, has -- to your knowledge, has any epidemiology study
6 ever been designed to test the hypothesis that benzene causes
7 APL specifically?

8 A. I don't think there's any indication of that in the
9 published literature. I wouldn't say that there are no studies
10 where that was at least part of the goal that they're working
11 towards.

12 Q. But you'd agree with me that, for example, the Travis
13 study that's been discussed a lot this week, that was not
14 designed to test the hypothesis that benzene causes APL, right?

15 A. Well, I don't know that they even had a hypothesis. That
16 study was just looking at what happened in these Chinese
17 workers that were exposed to benzene. Once you collect a group
18 of data from doing your analysis, then you can analyze that
19 data lots of different ways and you can formulate lots of
20 hypotheses that are testable based on the data that you
21 collected from that previous study.

22 So while that may not have been a set-out purpose of the
23 study in the beginning, that doesn't preclude someone later
24 from coming in and looking at the published data.

25 Q. Okay. But as far as it goes, the answer to my question is

1 yes, right, that the purpose -- the designed purpose of the
2 study was not to test the hypothesis that benzene causes APL?

3 A. Oh, no. No, that was not -- that was not an express
4 purpose of the Chinese study, no. So what you're saying is
5 yes, I guess.

6 Q. And I want to clarify, I guess, because we've talked an
7 awful lot about Chinese studies this week and we're not always
8 talking about the same thing. We're talking about Travis right
9 now. And Travis, just to clarify, is a substudy from the
10 cohort -- the large cohort that was a joint -- is an ongoing
11 joint study being conducted by the NCI and the Chinese
12 government, correct?

13 A. The NCI and the -- yes. The Chinese Academy of
14 Preventative Medicine, correct. Travis was a -- I actually
15 thought we were talking about Hayes from 1997. Travis is fine.
16 But that was more of a description of the types of
17 hematopoietic malignancies and lymphohematopoietic malignancies
18 that they observed in benzene-exposed workers.

19 Q. And in the Travis paper, they don't even try to measure
20 any type of association at all quantitatively; isn't that true?

21 A. Yeah, that was not the intent of that study.

22 Q. And you would also agree that the Rinsky Pliofilm study
23 and all the papers that came out of the Pliofilm cohort were
24 not designed to test the hypothesis that benzene causes APL,
25 right?

1 A. I would say my answer to that one would be the same as my
2 answer to your first one, that that was not an express purpose
3 of the study. But data has been collected that can be
4 evaluated later that might inform a number of questions.

5 Q. Okay. And there was no quantified measure of association
6 that was calculated in the Rinsky study or any of the
7 variations on the Pliofilm cohort study that attempted to
8 measure an association between benzene and APL, right?

9 A. There were none. I don't know how they could possibly do
10 that.

11 Q. So is it your testimony that there were no APLs in the
12 Rinsky cohort? Do we have to go through that again?

13 A. It was my testimony that there were none of them listed.

14 Q. That's a different statement, isn't it, Doctor?

15 A. Well, no. I mean, you're asking me whether or not they
16 could conduct statistical analysis. I don't know how they
17 could conduct a statistical analysis on something that's not
18 there.

19 Q. Yes, Doctor. But you've also testified just a moment ago,
20 twice, that people can come behind later and make inferences
21 based on the study. And you're suggesting that you can make
22 the inference that the Rinsky study is affirmatively evidence
23 that benzene does not cause APL. Isn't that what you're
24 suggesting?

25 A. Yeah. You've taken it about four steps further than I

1 did. I thought what we were saying was did they go in and
2 conduct statistical analysis for APL and benzene in Rinsky.
3 And my answer was no, they couldn't. There were none.

4 Q. And Golomb -- the Golomb paper -- first of all, the Golomb
5 paper, you would agree, is not even a formal epidemiological
6 study, right?

7 A. I would agree with that.

8 Q. It's a case series. Is that a fair description of it?

9 A. I'd have to take it out and look at the actual design, but
10 I think that's close.

11 Q. In any event, there's no quantified -- there's no attempt
12 to quantify an association between benzene and any types of
13 leukemia, correct?

14 A. I need to get it out and look. I do have it, if you'd
15 like to discuss it.

16 Q. We don't need to go through that, Doctor.

17 A. Okay.

18 Q. Well, as part of your opinion in this case, even though
19 you didn't testify about -- or much about epidemiological
20 studies in your direct examination, you did, in fact, review
21 what you believed were the -- was the relevant body of
22 literature with respect to the issue of whether benzene is
23 capable of causing APL specifically in the field of
24 epidemiology, right?

25 A. I did, yes. I mean, we just kind of divided up the

1 various sections for the purposes of this hearing. And, sure,
2 that was not part of what I was going to be testifying on.

3 Q. But you did issue a -- you reviewed it and you reached
4 opinions, in part, based on it, and you issued a report; is
5 that correct?

6 A. I did.

7 Q. Now, to your knowledge, has any formal epidemiology study
8 ever been published where the authors designed the study to
9 test the hypothesis whether benzene can cause any specific
10 subtype of AML?

11 A. I'd have to look, but I think the answer to that is yes.
12 They were not very large or very strong studies, but there are
13 a series, Mitelman and Cuneo and some others, that were
14 interested in whether or not there were associations with
15 various subtypes. And I think they did conduct some
16 statistical analysis. Some of those looked at karyotypes or
17 the cytogenetics. Some of them looked at the subtyping, which
18 we'd agree APL/15;17, those are synonymous. I would have to
19 look to see whether they ever did any type of quantification.

20 Q. Now, you had reviewed those studies that you just
21 mentioned for purposes of developing your original opinion
22 before you ever issued a report in this case; is that true?

23 A. Sure.

24 Q. And your report in this case was issued before your
25 deposition was taken in this case; is that correct?

1 A. Yeah. Yeah. Yeah. Yes.

2 Q. Okay. And isn't it the case, Dr. Pyatt, that at the time
3 of your deposition you could not point me to any
4 epidemiological study with which you were familiar in which an
5 actual measured quantified association was discussed and
6 reported with respect to specific subtypes of AML?

7 A. I don't recall exactly what I said. I was more familiar
8 with the literature at the time of my deposition than I am now.
9 It's been two or three weeks since I've looked at these. I
10 mean, I know that they express data related to the various
11 subtypes and the various cytogenetics. I don't recall whether
12 they conducted any kind of formalized statistics.

13 Q. All right. I'll come back to your deposition in just a
14 moment, Doctor.

15 To the extent that the paper -- scratch that. I'll come
16 back to that point in just a moment, but I want to go to a
17 different but related point now.

18 Dr. Pyatt, it is your opinion that in order to reach a
19 conclusion whether a chemical exposure is capable of causing a
20 disease, a scientist must have consistently positive results
21 obtained from quantitative epidemiology studies, either cohort
22 or case-controlled; isn't that true?

23 A. What was the first part of that again, to absolutely
24 establish that a chemical can cause disease? Yes, you need
25 quantified epidemiology that has been reproduced.

1 Q. In order to reach a conclusion on general causation, you
2 need consistently positive results from quantified --
3 quantitative epidemiology studies, correct?

4 A. Before you can establish that a chemical definitively
5 causes disease, yes.

6 Q. And when you say "consistently positive results," you're
7 talking about two or more positive results from separately
8 conducted epidemiology studies, right?

9 A. I think that would be close to the minimum.

10 Q. So, Dr. Pyatt, isn't it true that in your opinion based on
11 the state of the epidemiology with respect to benzene and
12 specific subtypes of AML, that it is your opinion that it is
13 not possible to reach with certainty an opinion that benzene
14 causes any subtype of AML?

15 A. Because of the quantification; is that what you're saying?

16 Q. That's what I'm saying.

17 A. I'm not sure that I would go that far.

18 Q. That's, in fact, what the logic of your position is in
19 this opinion, isn't it?

20 A. Well, no. I mean, you need to be a little -- you would
21 rely -- for other subtypes you would rely on the quantitative
22 epi for benzene and AML. So if you were asking me questions
23 about M1 or M4, there doesn't have to be a specific study
24 saying, "We've looked at M4 and it is statistically elevated."
25 The reason why this one is different is because of what we've

1 been talking about this week, that APL is a very distinct
2 subtype. So that's --

3 Q. So all the subtypes are different from one another but APL
4 is more different than all the rest; is that your testimony?

5 A. Yes.

6 Q. And for that reason you get to treat APL differently, and
7 the AML and benzene literature has nothing to do with APL even
8 though it does prove, by itself, that benzene causes all the
9 other subtypes. That's your testimony, right, Doctor?

10 A. I didn't follow all that.

11 Q. You've told us, Doctor, that it is your position in
12 general that in order to establish a relation between a
13 chemical exposure and a disease, it is a sine qua non; you've
14 got to have consistently epidemiological studies that quantify
15 an association between the chemical exposure and the disease at
16 issue, correct?

17 A. Consistently positive? I think you need to do a
18 weight-of-the-evidence evaluation. But, yes, in general I
19 think that's true.

20 Q. So the answer to my question is yes?

21 A. Yes.

22 Q. All right. And there are no -- as you sit here today you
23 cannot point to any quantified consistent epidemiology
24 associations between benzene and any specific subtype of AML;
25 that's true too, right?

1 A. I think that's true, yes.

2 Q. But in spite of your general position that you cannot
3 associate a chemical with a particular disease in the absence
4 of quantified consistent positive epidemiological evidence, and
5 the fact that we don't have that kind of evidence for benzene
6 subtypes, you're still -- AML subtypes. Excuse me -- you're
7 still taking the position that it is possible to establish that
8 benzene causes other types of AML besides APL, right?

9 A. Well, it's different. It's different because APL has
10 been -- acute promyelocytic leukemia has been recognized as a
11 clearly distinct, unique subtype, and people have looked at it
12 independently. I don't know of anyone who has looked for an M5
13 independently, or at least to the extent they have with APL.
14 In the future this may not be true. Other subtypes may be
15 evaluated.

16 Q. Isn't it true, Dr. Pyatt, that every subtype of AML has
17 specific morphological, cytogenetic and molecular genetic
18 profiles that differentiate it from the other subtypes?

19 A. Say that again?

20 Q. Every subtype of AML, all eight of the different separate
21 FAB subtypes --

22 A. Yes, they have differences for sure.

23 Q. They all have differences that are unique to them that
24 separate them. That's why they're their own subtype, right?

25 A. Correct.

1 Q. But you're saying, oh, the fact that they're different
2 from each other doesn't matter except when we're in the
3 courtroom to testify about APL?

4 A. No, I'm not saying that at all.

5 Q. APL is more different than all the other ones. That's
6 what you're saying, right?

7 A. Clearly.

8 Q. And you'd agree with me that they all have specific
9 morphologic, cytogenetic and molecular genetic profiles that
10 differentiate them from one another, right?

11 A. All the different subtypes of AML?

12 Q. That's my question.

13 A. Yes.

14 Q. Now, Dr. Pyatt, based on your view that you need
15 consistently positive results obtained from quantitative
16 epidemiology studies in order to make a connection between a
17 chemical exposure and a disease, isn't it true that no matter
18 how strong any of the mechanistic evidence you've been talking
19 about in your testimony today was, it would still be your
20 opinion that you cannot say that benzene causes APL, right?

21 A. Well, I mean, if there was a really strong plausible
22 mechanism that was a pretty straight line from benzene to APL,
23 accounting for the fact that APL is really very different from
24 the other subtypes, I'm not sure. I'm not sure how that would
25 play out.

1 Q. So your requirement for epidemiology is a requirement
2 except when it's not a requirement?

3 A. I don't know how to answer that.

4 Q. When -- if -- do you mean it or not? Does epidemiology
5 have to be present or does it not have to be present,
6 necessarily?

7 A. Well, I mean, I think if you took the position that APL
8 was not that much different than the others, if there was no
9 strong scientific support for the fact that you need to look at
10 it separately, then there would be no reason to assume that it
11 would not be like the other subtypes, and then you would be
12 relying on the quantitative epidemiology that exists for AML as
13 a collective grouping.

14 Q. I want to move for a few minutes, Dr. Pyatt, to the issue
15 of what we've been describing as cell of origin. And I'm going
16 to pop up on the screen -- before I do that -- all right. I'll
17 go back to that.

18 MR. JENSEN: My apologies to everybody.

19 (Pause.)

20 BY MR. JENSEN:

21 Q. So, Dr. Pyatt, you agree that this is a fair
22 representation of how blood cells develop?

23 A. Yes.

24 Q. And we've struggled a little bit -- I think some of the
25 witnesses have said -- with the nomenclature about what stem

1 cells are, what progenitors cells are, et cetera. As I
2 understood your testimony, at the top we've got what you would
3 call a stem cell, correct?

4 A. Yes.

5 Q. And as we get lower, we've got what your -- you would
6 describe anything past that level as a progenitor cell until we
7 get to the committed cell level down here, right?

8 A. No.

9 Q. No? Okay. These are progenitors, correct?

10 A. Yes. The committed part was what you had wrong.

11 Q. Okay.

12 A. Right there where your finger is, that's where it makes
13 the first commitment decision. So it is lineage-committed
14 beyond that point.

15 Q. Okay. So when we go over to CMP, that means it's
16 committed to myeloid cells only, correct?

17 A. Correct.

18 Q. And CLP is committed to lymphoid cells only?

19 A. That is correct.

20 Q. And you agree that there are a group of reasonable
21 scientists who reasonably believe that all forms of AML arise
22 from the same progenitor cell, correct?

23 A. All forms of AML?

24 Q. AML.

25 A. I've seen that language in several studies. I've never

1 seen anyone who writes that include APL as part of their
2 distinct description. But by and large, yes, I think that's
3 true.

4 Q. In fact, you testified at your deposition that reasonable
5 scientists not only could disagree, but they do disagree, and
6 you facetiously said that experimental hematologists get into
7 fist fights over the issue, right?

8 A. Over the cell versus the different subtypes; yes.

9 Q. And some believe that it's a common cell of origin for all
10 AMLs, right?

11 A. Yes.

12 Q. And as far as you're concerned, that's an area where
13 reasonable scientists can and do disagree?

14 A. I think that is consistent with most of the evidence.

15 Q. So that, in your view, is a matter of scientific judgment
16 to determine whether the weight of the evidence supports that
17 all of the AMLs start at the same cell of origin or not?

18 A. Well, I don't -- I mean, the Wojiski paper is pretty
19 important, and I think that will go a long way toward if people
20 do still believe what you say is true -- not you personally,
21 but this proposition that they all come from the same cell of
22 origin -- I think that paper will go a long way towards pushing
23 people off of that.

24 But as Dr. Bennett pointed out yesterday, there are other
25 forms of AML with an 8;21 translocation and monocytic and other

1 things, that seem to indicate that those occur at more
2 differentiated cells. So you can find it in the literature. I
3 haven't ever done a survey of experimental hematologists to
4 find out where they are on this, but you would probably find
5 some that adhere to what you said.

6 Q. Well, in fact, you testified at your deposition that they
7 disagree about this issue all the time, right?

8 A. Right. And because of that disagreement people continue
9 to do experiments and form the decision -- or then form the
10 question, and then they're evolving.

11 Q. With respect to the Wojiski paper specifically, Dr. Pyatt,
12 that paper was only recently, within the last few weeks, made
13 available online, correct?

14 A. I think that's right. It was 2009. I don't recall when
15 it came out.

16 Q. So in any event, any time in 2009, it would be after Dr.
17 Smith's opinion -- original report in this case, correct?

18 A. Yes.

19 Q. And after his supplemental declaration in this case,
20 right?

21 A. I think so, yes.

22 Q. And it would be well after the mid-1990s when Dr. Smith
23 said he originally reached his opinion on the subject of
24 whether benzene causes APL, right?

25 A. What? I lost your question.

1 Q. I asked you a chronological question. I'll withdraw it.

2 The point is, though, you agree that Dr. Smith could not
3 have considered Wojiski in making his assessment and review of
4 the scientific literature to reach a weight-of-the-evidence
5 judgment as to whether benzene causes APL?

6 A. No, not before the paper came out. But I -- he did -- he
7 did read it and understand it and take it to heart, and I think
8 he's persuaded by that data. So I think now he would probably
9 say, based on that, that the different subtypes of AML are
10 probably not occurring at the same level. I don't know that he
11 would say that or not, but that certainly would support that
12 proposition.

13 Q. Are you telling me you heard his testimony on Tuesday and
14 that's what you gleaned from it? Really?

15 A. Well, what I heard him say on Tuesday was that this was a
16 complicated paper and it was new, but that he found it
17 important.

18 Q. Don't you remember him saying that this does nothing to
19 show that APL cannot and does not also begin at higher levels
20 where the -- for example, the CMP level is represented on this
21 chart -- where all of the different cell lines of AML are --
22 can be differentiated from -- descend from?

23 A. Well, I think the authors themselves say that their data
24 does not rule out that possibility.

25 Q. And, in fact, didn't you hear Dr. Smith say that he still

1 believes it's possible that all forms of AML, including APL,
2 can and do begin, at least in certain instances, from a common
3 stem or progenitor cell?

4 A. I mean, the discussion -- the disagreement that I had with
5 Dr. Smith from the very beginning of this process was when he
6 took the position that all forms of leukemia -- all forms of
7 leukemia, not subtypes of AML, but all of them -- come from the
8 same stem cell, the pluripotential stem cell. And that is
9 originally what I wrote on my report. I said I don't believe
10 that. I don't think that is supportable by the scientific
11 evidence.

12 What I heard him say on Tuesday was that he had backed off
13 that, and it was stem and progenitor cells which encompasses a
14 whole lot of different things. So I think he has changed his
15 view somewhat on that particular issue.

16 Q. But he still maintains that all -- even under your
17 interpretation, Dr. Pyatt, you agree that Dr. Smith testified
18 on Tuesday -- and of course the transcript will show what he
19 really said, but your interpretation of that -- isn't it true
20 that a fair interpretation is that you believe that at the very
21 least all AMLs, including APL, can and do at least sometimes
22 develop at the CMP level or higher on this chart?

23 A. What do you mean by "can"? That they sometimes do and
24 they sometimes don't?

25 Q. Correct.

1 A. Yes.

2 Q. Okay. You agree that the hematopoietic progenitor cell --
3 and by that did you mean about this level, Dr. Pyatt?

4 A. No.

5 Q. Okay. What did you mean --

6 A. Well, hematopoietic is a blood cell, so that's any of
7 these.

8 Q. Okay.

9 A. And a progenitor cell is anything that's not a stem cell.

10 Q. Okay. All right. Now, would you agree that a
11 hematopoietic progenitor -- I apologize. Go ahead.

12 A. Experimental hematologists use "progenitor cell" typically
13 to describe a population of cells that are CD34+, and because
14 of that antigen, CD34, that allows us to collect that
15 population of cells and do things with them. Those are called
16 hematopoietic progenitor stem cells; they are used as therapy
17 for stem cell transplantation and they will include all of
18 these mature and immature progenitors that are depicted on this
19 slide.

20 Q. And the hematopoietic progenitor cells target tissue for
21 benzene toxicity, correct?

22 A. I believe so, yes.

23 Q. And there are reasonable scientists who believe that this
24 damage caused by benzene or its metabolites at the progenitor
25 cell level is what ultimately leads to the development of AML,

1 correct?

2 A. Yes. And people have been, for decades, trying to
3 understand what exactly mechanistically is happening in these
4 progenitor cells.

5 Q. And now, Dr. Pyatt, if we assume that benzene causes AML,
6 at least in part by causing damage at the progenitor stem cell
7 level -- so we've got that assumption, I'm asking you a
8 hypothetical question. So my first assumption is for you to
9 assume that benzene causes AML, and part of the mechanism, at
10 least, is damage caused at the progenitor cell level. Do you
11 understand me so far?

12 A. Okay. Well, the first time you said "progenitor stem."
13 That time you left out the word "stem."

14 Q. I apologize for that. I didn't intend to insert the word
15 "stem."

16 A. Okay. So at progenitor cell level. Yes, I agree with
17 that.

18 Q. And if we also assume that all subtypes of AML start with
19 damage at the same progenitor cell, then you would agree that
20 this would be some evidence that benzene exposure is capable of
21 causing all forms of AML, right?

22 A. In your hypothetical world if that was the only piece of
23 information we have, yes, there would be no reason to doubt
24 that.

25 Q. Well, whether or not it's the only piece of information we

1 have, it is some piece of information that supports that
2 hypothesis, right?

3 A. Would support that hypothesis. But if the overwhelming
4 weight of the evidence is on the other side, then you still
5 wouldn't think that that's a logical step.

6 Q. You agree that when experts assess whether a chemical
7 is -- chemical exposure is capable of causing a particular
8 disease, that the expert reviews the body of data that appear
9 to bear on causal judgment; select the scientifically relevant
10 data; assess and weigh studies for their qualities; weigh the
11 importance of different kinds of data vis-à-vis one another,
12 for example, animal studies versus human studies versus
13 short-term studies versus structure activity relationships
14 versus mechanistic evidence versus any case studies and so on;
15 and brings her background understanding of biology and
16 toxicology as well as her understanding of the phenomenon to
17 the causal issues. You agree with that, right?

18 A. Yes.

19 Q. And, in fact, when you talked about your view based on the
20 weight of the evidence that benzene does not cause APL, that
21 involves the process that, you know, you just described in that
22 question, right?

23 A. It involves the process. It doesn't necessarily include
24 all of the things that you listed, because there's many things
25 that you listed that don't have sufficient data to really

1 inform this question.

2 Q. And when you apply that kind of -- and we could broadly
3 agree that that process has been generally described as being a
4 weight-of-the-evidence evaluation, right?

5 A. Yes.

6 Q. And when you do your overall weight-of-the-evidence
7 evaluation in this case, it is your scientific judgment that
8 the other evidence outweighs any evidence as to whether all
9 AMLs develop from a common origin, right?

10 A. I'm sorry. I didn't get the "my judgment" part. Can you
11 just repeat the question?

12 Q. Okay. When you do your overall weight-of-the-evidence
13 evaluation, you're basing on your scientific judgment your view
14 that there is other evidence that outweighs any evidence that
15 all AMLs come from a common progenitor, right?

16 A. Let me see if this works. I have evaluated all of the
17 relevant scientific data that I know to exist, and it is my
18 opinion that it is the most consistent with the position that
19 the subtypes of AML are not arising in the same cell. Is that
20 what you asked?

21 Q. And you had to use your scientific judgment to come to
22 that evaluation. That's all I'm really trying to get at,
23 Doctor.

24 A. I think my experience and expertise and understanding of
25 the science helps. I don't have a piece that says this is the

1 judgment and I'm going to use it now. I mean, I don't know
2 what that means.

3 Q. But you had to apply judgment. You're not disagreeing
4 with that, right?

5 A. You mean like this paper is no good, there's really
6 nothing that I could use here? I think that plays a role, yes.

7 Q. Would you agree, with respect to your discussion of
8 cigarette smoking and APL, that Dr. Smith reviewed the same
9 body of epidemiological literature that you had and came to a
10 different interpretation?

11 A. No. I don't know what -- I don't know what Dr. Smith
12 reviewed.

13 Q. In fact, he describes in his supplemental declaration at
14 least a portion of what he reviewed, does he not?

15 A. But it didn't include all of the studies that we discussed
16 today.

17 Q. First of all, before I even get to what Dr. Smith had to
18 say about this subject in his supplemental declaration, you
19 testified on direct that, in fact, some of these studies on
20 cigarette smoking and specific subtypes of AML, the authors
21 themselves say that this is a very fuzzy area, right?

22 A. With regard to the cytogenics, yes.

23 Q. I've put up on the ELMO the supplemental declaration of
24 Dr. Smith. And he says, "A careful review of the literature on
25 the cytogenic subgroups of AML found in cigarette smokers

1 reveals no clear association between smoking and cytogenetic
2 subtypes of AML." Then he goes on from there and he describes
3 studies that he reviewed including the Moorman paper that you
4 looked at today, right?

5 A. Yes. He does have all three of them there.

6 Q. So based on that, you would agree with me that you looked
7 at the same body of literature and came to a different
8 conclusion, right?

9 A. Apparently.

10 Q. And, in fact, you don't have any quarrel with the method
11 of reviewing the literature and then reaching inferences from
12 the evidence that's available in the literature to determine
13 what your opinion is. That's how it works, right?

14 A. Yes.

15 Q. On topoisomerase, Dr. Pyatt, you agree there is a
16 well-established body of data to show that benzene's
17 metabolites inhibit topoisomerase II in human cells at least
18 under certain conditions, correct?

19 A. Yes.

20 Q. That's been reported repeatedly in the literature, right?

21 A. Correct.

22 Q. And your opinion is that the weight of the evidence does
23 not provide sufficient evidence to conclude that this actually
24 happens -- the inhibition of topoisomerase actually happens --
25 in benzene-exposed people, but you don't think there's enough

1 evidence about that, right?

2 A. Well, there's no evidence about that. It's not my opinion
3 that there's some or there's not; there is no evidence to
4 support that, period.

5 Q. Well, Dr. Pyatt, don't you agree that reasonable
6 scientists reviewing this same body of data have concluded that
7 benzene's metabolites probably do inhibit topoisomerase II in
8 human beings?

9 A. That's a different question. I mean, they can think that
10 it might or that it probably does based on a hypothesis, but
11 there's no evidence that it does.

12 Q. "Reasonable scientists have inferred from the data that
13 benzene's metabolites probably do inhibit topoisomerase II in
14 human beings," right?

15 A. That is a hypothesis.

16 Q. No. It's a hypothesis that reasonable scientists have
17 reached that conclusion?

18 A. What they're reaching is a hypothesis. And keep in mind
19 that that is not -- I mean, there's data now that David
20 Eastmond published that shows that benzene exposure does cause
21 inhibition within the bone marrow of those highly exposed
22 experimental animals. So whether it happens in the bone
23 marrow, I think, is becoming less of an issue. But there is
24 still no data showing that this is occurring in the bone marrow
25 of benzene-exposed humans. And when you look at the studies

1 that Dr. Smith published, where he specifically looked for the
2 cytogenetic changes that you would associate with that in the
3 bone marrow, they're not there.

4 Q. Doctor, are you familiar with this paper by Dr. Whysner
5 about the genotoxicity of benzene and its metabolites?

6 A. Yes. I haven't read it recently, but I read it when it
7 first came out.

8 Q. And it is a review paper that discusses all of both the
9 animal evidence and human cell culture evidence with respect to
10 the genotoxicity of benzene and its metabolites, correct?

11 A. No.

12 Q. No?

13 A. It discussed the evidence that was available to Dr.
14 Whysner when he published this in 2004.

15 Q. At the time it was published. I wasn't trying to suggest
16 that he was --

17 A. Well, there's been a lot that's happened since then.

18 Q. And the conclusion of Dr. Whysner's paper says, "Analysis
19 of genotoxicity test results can provide important insights
20 into the mechanisms of neoplasia produced by chemicals. After
21 consideration of all of the available genotoxicity data for
22 benzene and its metabolites, including the results of direct
23 tests for DNA adducts, support a mode of action that involves
24 clastogenicity rather than mutagenicity secondary to DNA adduct
25 formation. In addition, information involving studies in

1 humans as well as human-derived cells indicate that such a mode
2 of action would be operative in humans."

3 And then going down further he says, "The genotoxic
4 effects produced by benzene and its metabolites are most
5 consistent with inhibition of topoisomerase II or
6 ribonucleotide reductase inhibition. The chromosomal
7 abnormalities in human leukemia produced therapeutically by
8 topoisomerase II inhibitors also implicate this mechanism in
9 benzene-induced leukemias."

10 Now, isn't it fair to say that, based on Dr. Whysner's
11 statement, information involving studies in humans as well as
12 human-derived cells indicate that such a mode of action would
13 be operative in humans, it's a fair interpretation of that
14 statement that Dr. Whysner has inferred from the available
15 evidence that he had reviewed as of 2004 when he published this
16 paper that, in fact, benzene's metabolites inhibit
17 topoisomerase in human beings?

18 A. That is a hypothesis.

19 Q. I understand that you think it's a hypothesis. I'm asking
20 you whether it's a fair interpretation of that sentence in Dr.
21 Whysner's paper that he inferred that it's a probability.

22 A. I think he thinks that it can happen, and I think now
23 there is actually a little data that would support that that
24 happens, in contrast to his last sentence which I think he
25 would retract if he had the opportunity, and that is that

1 chromosomal changes associated with topoisomerase II -- that
2 would be 11q23 -- that Dr. Smith said we don't see it.

3 Q. We'll get into that in a second, Doctor.

4 But, in fact, Dr. Whysner's paper was published in a
5 peer-reviewed journal, correct?

6 A. Yes.

7 Q. So an editor had, initially, the opportunity to review
8 this paper, correct?

9 A. Yes.

10 Q. And peer reviewers had an opportunity to review this
11 paper, correct?

12 A. Yes.

13 Q. And all of those people apparently believed there was
14 sufficient information to support the inferences that Dr.
15 Whysner suggests in his conclusion here, right?

16 A. Well, you can't go that far. I mean, I don't know what
17 the reviewers said. I don't know what they wrote. I don't
18 know what executive decisions the editor made.

19 Q. Are you familiar with the Agency for Toxic Substances and
20 Disease Registry's "Toxicological Profile for Benzene," Doctor?

21 A. Yes.

22 Q. And that's the ATSDR, correct?

23 A. That's its acronym, yes.

24 Q. And the ATSDR is an agency of the United States
25 government -- a subagency of the Department of Health and Human

1 Services, correct?

2 A. I think that's correct. They're a branch of the CDC.

3 Q. I'm going to show you an excerpt from this August 2007
4 "Toxicological Profile for Benzene." And the ATSDR creates
5 toxicological profiles for a wide variety of chemicals,
6 correct?

7 A. Yes.

8 Q. And when they create that toxicological profile there's a
9 committee that's appointed to look at all of the evidence that
10 relates to the toxicity, human and animal studies and cell-line
11 studies and mechanistic studies relating to the toxic effects
12 of that chemical, correct?

13 A. I would assume that's how it works.

14 Q. And then they, as a committee, write a report that
15 describes all that data, correct?

16 A. Yes.

17 Q. And also, in some instances, at least, make inferences
18 from that data, correct?

19 A. Occasionally they'll put some things in there that you
20 might classify as an inference.

21 Q. Okay. This is a section of the Toxicological Profile on
22 Benzene called "Mechanisms of Toxicity." And it says, "The
23 database of information for benzene-induced hematotoxic and
24 leukemogenic effects has been reviewed extensively. It is
25 generally believed that reactive hepatic metabolites of benzene

1 are transported to the major toxicity targets (bone marrow).
2 Additional metabolism likely occurs in bone marrow. Phenolic
3 metabolites" -- and it lists them -- "appear to play a major
4 role in benzene toxicity.

5 "Smith (1996a, 1999b)" -- and that's talking about two
6 papers by Dr. Martyn Smith?

7 A. Yes.

8 Q. -- "noted that the phenolic metabolites can be metabolized
9 by bone marrow peroxidases, such as myeloperoxidase (MPO) to
10 highly reactive semiquinone radicals and quinones that simulate
11 the production of reactive oxygen species. These steps lead to
12 damage to tubulin, histone proteins, topoisomerase II, other
13 DNA-associated proteins, and DNA itself (clastogenic effects
14 such as strand breakage, mitotic recombination, chromosome
15 translocation and aneuploidy)."

16 Would you agree, Doctor, that this suggests that benzene
17 metabolites cause, among other things, damage to topoisomerase
18 II in human beings?

19 A. Do I agree that's what they think or is that what they
20 wrote?

21 Q. Do you agree that that statement suggests that?

22 A. Yes.

23 Q. Okay. Now, part of your opinion -- and you described this
24 at some length with Mr. Gray this morning -- is that even if
25 benzene's metabolites do inhibit topoisomerase II, that's not

1 evidence that benzene causes APL because certain known
2 topoisomerase inhibitors do not cause leukemia, correct?
3 That's your view?

4 A. Yes. That just because you can inhibit topo II doesn't
5 get you to leukemia.

6 Q. And at your deposition, Dr. Pyatt, you gave this -- one
7 example of a topoisomerase inhibitor that does not cause
8 leukemia, genistein, correct?

9 A. That the evidence doesn't support that? Yes.

10 Q. In fact, you said that there's no evidence that genistein
11 is leukemogenic, right?

12 A. I think that's still true with regard to direct
13 epidemiology.

14 Q. But that's what you said: There's no evidence that
15 genistein causes leukemia, right?

16 A. Well, it depends on how you're going to define the word
17 "evidence."

18 Q. Well, you were the one testifying, not me.

19 A. It says what I thought.

20 Q. Okay. In fact, you've looked now, and there are
21 epidemiology studies that address the issue of whether
22 genistein causes leukemia, are there not?

23 A. No.

24 Q. There are not? Oh. Have you seen this paper before,
25 Doctor?

1 A. Yes.

2 Q. And Dr. Osheroff is an author that you have relied on for
3 purposes of your opinions in this case, correct?

4 A. Yes.

5 Q. For the record, the title of the article is "Survey and
6 Summary: The DNA Cleavage Reaction of Topoisomerase II: Wolf
7 in Sheep's Clothing" by Deweese and Osheroff. It's Nucleic
8 Acids Research, 2009, and it was published online 28 November,
9 2008.

10 It says, "Epidemiological studies indicate that the risk
11 of developing these infant leukemias increase greater than
12 threefold by maternal consumption of foods that are high in
13 naturally occurring topoisomerase II poisons such as genistein
14 or other bioflavonoids." That's what it says, right?

15 A. Yes.

16 Q. In fact, so Dr. Osheroff is saying that there are
17 epidemiological studies establishing an association between,
18 among other things, genistein and infant leukemia, right?

19 A. That's not what he's saying.

20 Q. Hmm.

21 A. "Epidemiology studies indicate that" -- move it back
22 over -- "the risk of developing foods that are naturally high
23 in topo poisons such as genistein and other bioflavonoids" --
24 and then look at the papers. Let's look at the ones he cites.

25 Q. We could do that. 111, and 123 to 126. 111 is Strick

1 2000, "Dietary bioflavonoids induce cleavage in the MLL gene
2 and may contribute to infant leukemia."

3 A. Wait. Let's talk about that one.

4 Q. All right.

5 A. I'm very familiar with that study. So what they did was
6 they went in and saw at certain concentrations these various
7 bioflavonoids and flavones and other things could inhibit
8 the -- well, could cause the MLL gene -- translocations
9 involving the MLL gene, which is thought to be important in
10 infant leukemia. So that's not an epi study.

11 Q. Okay.

12 A. The next one was 124.

13 Q. 123 is Ross, 1994. That's an epi study, right?

14 A. The Ross paper was the one we discussed in some detail
15 this morning.

16 Q. And it's an epidemiology study, correct?

17 A. A very preliminary one, yes. And they did --

18 Q. They found a statistically significant increase in infant
19 leukemia associated with maternal consumption of foods
20 containing genistein, correct?

21 A. They found a statistically significant increase in AML --
22 infant AML -- associated with fresh fruits and vegetables.
23 Everything else is hypothetical.

24 Q. Are you familiar with this paper, Doctor?

25 A. Are we finished with the other one?

1 Q. Do you want to go on?

2 A. I think that was it.

3 Q. Are you familiar with this paper, Doctor, "Review:
4 Dietary Topoisomerase II Poisons: Contribution of Soy Products
5 to Infant Leukemia"?

6 A. Yes.

7 Q. It's by Hengstler, H-E-N-G-S-T-L-E-R, published in 2002 in
8 the EXCLI Journal.

9 A. I've read that paper.

10 Q. And the conclusion of that paper is, "In conclusion,
11 strong evidence has been presented that dietary topoisomerase
12 II poisons may contribute to infant leukemia."

13 So at least those authors believe that not only is there
14 evidence, there is strong evidence for the proposition,
15 correct?

16 A. You kind of lowered your voice on the most important word
17 in there, that "may."

18 Q. Okay. Doctor, you talked about 11q23. Isn't it true that
19 Dr. Smith's opinion is that benzene is causing -- or benzene's
20 metabolites are causing a different kind of topoisomerase
21 inhibition as opposed to poisoning that results in
22 translocations at 15;17, which is different from the 11q23
23 mechanism?

24 A. He doesn't have a choice. He has to make that decision
25 because the 11q23 is not elevated. So there's really -- if

1 it's acting as a topoisomerase II -- if it's causing leukemia
2 by way of topoisomerase II inhibition, it is apparently acting
3 through some way different than the typical topo II poison such
4 as etoposide which cause the 11q23 the majority of time.

5 Q. Okay. You went over the Chang paper this morning in
6 connection with 15;17 translocations, correct?

7 A. Yes.

8 Q. And this is the Chang paper that you discussed in your
9 direct testimony?

10 A. Correct.

11 Q. There are other papers discussed in the Chang paper that
12 show different results, correct?

13 A. Yes.

14 Q. The author says, "However, Takatsuki, et al, examined
15 PML" -- and that's promyelocytic leukemia gene, correct? PML
16 stands for -- well, promyelocytic leukemia gene.

17 A. I'm sorry. I'm reading. PML's promyelocytic leukemia and
18 RAR-alpha receptor.

19 Q. -- "RARa in hematopoietic colonies in five patients with
20 APL by reverse-transcription PCR and found the fusion
21 transcripts in both granuloid/macrophage and erythroid
22 colonies"?

23 A. That's correct.

24 Q. And that's consistent with --

25 A. Well, wait a minute. Go back to that. So what he says is

1 that maybe it's happening in an earlier cell or maybe there was
2 contamination for the erythrocytes being in with the other
3 forms of cells. He doesn't know why that's there.

4 Q. Right. And that's consistent with the damage or the --
5 being caused at this lineage or earlier, correct?

6 A. No, not earlier. But, yes, it would move it back to the
7 common myeloid precursor cell. It wouldn't move it up any
8 further because then you're going to have to start looking at
9 the lymphoid cells.

10 Q. All right. And you just pointed out to me that there's
11 alternative explanation for that. There's also an alternative
12 explanation for the author's findings that there was no 15;17
13 translocation in the erythrocytes, correct?

14 A. Yes.

15 Q. And they discuss that. And their alternative explanation
16 is, "Alternatively, it may reflect a blockage of
17 differentiation for primitive precursor cells harboring
18 translocation 15;17 toward the erythroid and lymphoid
19 maturation pathway."

20 So what they're saying is that the translocation may be
21 occurring up here but there's something blocking it from
22 differentiating it as it's going down the line, correct?

23 A. Right. That is what they're saying, that you cannot rule
24 that out as a possibility.

25 MR. JENSEN: Pass the witness.

1 MR. GRAY: Your Honor, may I inquire of counsel, are
2 the textbooks that he relied on, the chapter by Goldstein and
3 Kipen and the chapter by Kipen and Wartenberg -- were those the
4 two?

5 MR. JENSEN: I'm sorry?

6 MR. GRAY: Were those the two chapters you relied on?

7 MR. JENSEN: Yes.

8 MR. GRAY: Can I ask for a copy of the Goldstein
9 chapter, please?

10 MR. STEWART: It's in this binder.

11 THE WITNESS: He says you have it.

12 MR. GRAY: I'll go ahead and begin while counsel's --
13 you've got it there.

14 REDIRECT EXAMINATION

15 BY MR. GRAY:

16 Q. Dr. Pyatt, it's interesting, you were here for Dr. Smith's
17 testimony. We didn't hear Dr. Smith testifying about these
18 textbooks, did we? Do you recall hearing that?

19 A. I didn't, no.

20 Q. And that was Tuesday. It's Friday, at the end of the day,
21 and we hear the first of these textbooks.

22 I'm going to ask you to read some language here on the
23 point -- this is Table 29.1, which is what counsel suggests it
24 indicates a textbook found that APL was caused by benzene,
25 right?

1 A. Yes.

2 Q. Okay. And in the text just above there, there's a
3 footnote reference for Table 29-1. That's Footnote 7, correct?

4 A. Yes.

5 Q. And I think in your testimony when you were answering
6 these questions you said it would be nice to look at the
7 references to see what this author was using as support for
8 this statement?

9 A. Yes.

10 Q. Okay. Let's look at Footnote 7. In Footnote 7 Dr.
11 Goldstein is referring to a paper that Dr. Goldstein wrote in
12 1983; is that correct?

13 A. I've seen that. That's a review paper.

14 Q. Okay. So luckily we brought that. And when you flip to
15 the review paper by Professor Goldstein, it has a table where
16 he says essentially the same thing, that acute myelogenous
17 leukemia is caused by benzene; do you see that?

18 A. Yes.

19 Q. And then Dr. Goldstein, the good researcher who he is,
20 sites Reference 18 as support for this paper. Let's look at
21 Reference 18. And there's Dr. Goldstein citing Dr. Goldstein
22 again, 1977. We brought that one, too. Would you like to see
23 that paper?

24 A. Please.

25 Q. I apologize for using my finger here, but it says, "In

1 discussing the types of AML which can be caused by benzene" --
2 it says -- "and acute promyelocytic leukemia" -- et cetera, et
3 cetera, et cetera -- "have been rarely reported in association
4 with benzene"; do you see that?

5 A. Yes.

6 Q. What reference does he cite for that statement?

7 A. I don't see one.

8 Q. He cites nothing, correct?

9 A. I would assume he was looking at the Askoy or the Vigliani
10 studies because there isn't really anything else to be looking
11 at prior to 1977, but I don't know.

12 Q. But those studies didn't say that benzene causes APL, do
13 they?

14 A. No.

15 Q. So Goldstein writes a paper in 1977 where he suggests
16 benzene might cause APL, true?

17 A. Yes.

18 Q. And then he writes a paper in 1983 that says benzene does
19 cause AML, and he cites the '77 paper, true?

20 A. Yes.

21 Q. And then writes another chapter in his textbook later and
22 he cites his '83 textbook, right?

23 A. Yes.

24 Q. Does Goldstein cite anything to support this statement
25 that benzene causes APL other than his own previous statements?

1 A. Not that I saw based on what you saw me. But I haven't
2 looked at the reference list for that chapter so I don't -- but
3 not based on what you've shown, no.

4 Q. And certainly if Dr. Smith thought this chapter was
5 persuasive, he could have testified about it on his direct
6 exam?

7 A. I would think so, yes.

8 Q. Let's talk about the other chapter.

9 MR. JENSEN: It was in his reference...

10 BY MR. GRAY:

11 Q. And I believe this is the other book, "Textbook of
12 Clinical Occupational and Environmental Medicine," correct?

13 A. Yes.

14 Q. Okay. And here, I think, is the table that you were asked
15 to look at where it's discussing benzene. "Known: AML and
16 variants." And there's three footnotes there, correct?

17 A. Correct.

18 Q. And that's what you said you would have liked to have
19 seen, is what are these authors referring to, right?

20 A. Yes.

21 Q. So let's look at 7, 63 and 95. If you could help me
22 remember those. Seven --

23 A. I'm listening.

24 Q. -- is a paper by Hayes. You're familiar with that one?

25 A. Yes.

1 Q. Now we're going to go to 63. There's our good friend Dr.
2 Rinsky?

3 A. Yes.

4 Q. We had a lot of discussion about Rinsky's work, right?
5 And 95, another paper by Rinsky?

6 A. Correct.

7 Q. Do any of those three papers say that benzene causes APL?

8 A. No.

9 Q. Okay. And we've discussed those pretty extensively.

10 MR. GRAY: What I would like to do is mark these
11 articles I've just discussed for part of the record, and
12 perhaps I can work it out with the clerk afterwards --

13 THE COURT: That's fine.

14 MR. GRAY: -- but I would certainly like these to be
15 included for the Court to consider.

16 BY MR. GRAY:

17 Q. Briefly, the Whysner paper. As to the question of whether
18 or not benzene causes leukemia due to topo II inhibition, isn't
19 it true that Dr. Whysner cites your paper, page 116, and says,
20 "Serious questions have been raised concerning the role of
21 topoisomerase II inhibition in benzene-induced human leukemia
22 and additional studies will be needed to provide validation of
23 this mechanism." Did I read that correctly?

24 A. Yes.

25 Q. And I know you pointed this out, but just briefly, here in

1 the "Conclusion" language that counsel read, that last sentence
2 is pretty important, isn't it?

3 A. Yes.

4 Q. Because in 2004, Zhang had not yet done the study that
5 showed benzene does cause 11q23?

6 A. No.

7 Q. And is that why you suggested he wrote that paper based
8 upon the information he had at the time?

9 A. Yes.

10 Q. Okay. With respect to the discussion of cell of origin,
11 the scientist who had specifically looked at cell of origin for
12 APL since -- well, all of the studies we've looked at from '95
13 forward -- would you say that the researchers who had
14 specifically looked at cell of origin for APL, that the bulk of
15 that literature indicates that it is a different cell of origin
16 from other subtypes of AML?

17 A. I would. I haven't seen any research specifically address
18 APL without discussing that it is likely occurring at a
19 committed precursor level. When they talk about AML, then
20 maybe it's up here or maybe it's up there. But when they break
21 it out and talk about APL, yes, that's true.

22 Q. And you will find statements in the literature where
23 researchers are talking about AML generally, and they make very
24 broad statements about AML, correct?

25 A. That's true.

1 Q. And it would be important to look at that particular piece
2 of literature in determining whether that author considered
3 these APL-specific studies in deciding whether or not the
4 author is making a specific conclusion about APL; is that fair?

5 A. I think that's exactly right, yes.

6 MR. GRAY: Your Honor, if I can have your indulgence.
7 I have one final question.

8 Your Honor, actually, if I stop now, we may finish
9 before the break, so I'll pass the witness.

10 MR. JENSEN: One follow-up area, your Honor.

11 RECROSS-EXAMINATION

12 BY MR. JENSEN:

13 Q. Dr. Pyatt, you just testified that you were aware of no
14 literature that you'd seen regarding the 15;17 translocation or
15 APL occurring at anything other than a committed cell level
16 over the last 15 years. Is that what you just said?

17 A. I mean, I think all of them raise the possibility that
18 could happen someplace else, but, yes.

19 Q. Well, in fact, we just went over on your cross-examination
20 how the Chang paper discusses the Takatsuki article or study,
21 and they found out, in fact, how the 15;17 translocation was
22 occurring at a progenitor stem cell -- excuse me, progenitor
23 cell level that can produce all of the forms of AML, right?

24 A. Well, I think what I said was that it was happening at a
25 committed precursor. And the CMP that you're referring, to

1 that is a committed precursor.

2 Q. Okay. So that's a precursor that can generate all of the
3 cell lines for all of the subtypes of AML, right?

4 A. Conceivably, yes.

5 MR. JENSEN: All right. That's it.

6 MR. STEWART: He didn't mean to stand up and interrupt
7 Mr. Gray beforehand. What I was attempting to make sure the
8 Court knew was that the Levy-Wegman article is Number 43 in the
9 binder of materials, and it's under the category of things that
10 Dr. Smith relied on.

11 MR. GRAY: And, your Honor, I certainly wasn't
12 suggesting it hadn't been disclosed; I was just questioning why
13 Dr. Smith hadn't been questioned on it.

14 MR. STEWART: There were 62 things in the binder. I
15 didn't want to do them all. In any event, I wanted the Court
16 to know it was in the binder materials.

17 THE COURT: Dr. Pyatt may be excused, right?

18 (Laughter.)

19 MR. STEWART: Thank you, your Honor.

20 THE COURT: We'll re-assemble at two o'clock.

21 MR. WEATHERS: Your Honor, a brief moment. I find
22 that I have to catch a plane that will conflict with that. If
23 I don't catch that, it's hard to get home tonight.

24 THE COURT: You're not staying for the Red Sox-Yankees
25 game?

1 MR. WEATHERS: The Boston guys didn't get me tickets.
2 But in any event, your Honor, I apologize that I have
3 to leave early and ask to be excused.

4 THE COURT: I understand.

5 MR. GRAY: And, your Honor, I'm checking flights
6 myself.

7 THE COURT: All right.

8 MR. WEATHERS: We leave it in Mr. Leghorn's good
9 hands.

10 THE CLERK: We'll be in recess. Court is in recess.
11 (There is a recess in the proceedings from 12:58 p.m.
12 to 2 p.m.)

13 THE CLERK: All rise.

14 Continuation of the Milward Daubert hearing.

15 Please be seated.

16 THE COURT: Okay.

17 MR. JENSEN: Good afternoon, your Honor. I believe
18 we've designated one hour, so 30 minutes each, and --

19 THE COURT: Or less.

20 (Laughter.)

21 MR. JENSEN: Or less. I agree. I intend to reserve
22 eight minutes for rebuttal.

23 May it please the Court, I know the Court's read the
24 briefs. And the briefs open by saying the Court should keep
25 the eyes on the forest and not get blinded by the trees. And

1 with all the evidence that we've heard the human temptation is
2 to do that. So I want to start by reminding the Court of what
3 the forest is.

4 First, of all, as a matter of law, this Court has a
5 very limited role as the gatekeeper of scientific evidence;
6 secondly, the Court should keep in mind, with respect to the
7 science, that the methodology is where the focus needs to be,
8 and the methodology at issue here which no one has contested is
9 the weight-of-the-evidence method reviewing the available
10 scientific evidence, and that method, which also no one else
11 has contested, clearly and necessarily calls for the extensive
12 application of scientific judgment at several places throughout
13 the process. And finally, as I just said, they failed to offer
14 any challenge to that method.

15 According to the McGovern versus Brigham and Women's
16 Hospital, which is a 2008 district court stemming from this
17 court, the role of this Court as a gatekeeper can be distilled
18 into three questions: First of all, is this junk science? I'm
19 talking from a PowerPoint that no one else has seen.

20 THE COURT: You are, hmm?

21 MR. JENSEN: The first question, according to the
22 McGovern court, 2008 District of Massachusetts case: Is this
23 junk science? I don't think the defendants take any position
24 that the epidemiological literature, the toxicology literature,
25 the mechanistic literature, that any of that is junk science,

1 nor do I think they take the position that Martyn Smith is a
2 junk scientist. And if they did it would be frivolous. What
3 they do is that this is a junk opinion, and that is what this
4 Court has to decide.

5 What is a junk opinion according to the law? Some
6 light has been shed on that by some comments made in the
7 introduction to the Federal Judicial Center's Reference Manual
8 on Scientific Evidence. That introduction was written by
9 Justice Stephen Breyer. He said, "Consider the remark made by
10 the physicist Wolfgang Pauli. After a colleague asked whether
11 a certain scientific paper was wrong, Pauli replied, 'That
12 paper isn't even good enough to be wrong!' Our objective is to
13 avoid legal decisions that reflect that paper's so-called
14 science." That's what we're aiming at.

15 More specifically, the First Circuit has made clear
16 that "Daubert neither requires nor empowers trial courts to
17 determine which of several competing scientific theories has
18 the best provenance." "Once the district court finds that the
19 expert's methodology is reliable, the expert is allowed to
20 testify as to the inferences and conclusions he draws from it."

21 In other words, this Court's role is not to determine
22 which side is correct and whose conclusion is right. You don't
23 have to decide whether benzene can cause APL. All you have to
24 decide is whether Martyn Smith exercised a reliable, sound
25 scientific methodology when he reached his conclusion.

1 Credibility is solely for the jury, and when experts
2 disagree based on differences in application of scientific
3 judgment, that disagreement has to be resolved by an assessment
4 of credibility, and that role constitutionally belongs to the
5 jury, and the jury alone. "Trial courts should not arrogate
6 the jury's role in evaluating the 'evidence and the credibility
7 of expert witnesses' by 'simply choosing sides in the battle of
8 the experts.'"

9 Now, what did Dr. Smith do in this case?
10 Methodologically, he applied the well-established method for
11 assessing causation, the weight-of-the-evidence method that no
12 one in this courtroom has suggested is the -- is an incorrect
13 method. Dr. Cranor referred to it from the philosophical
14 perspective as inference to the best explanation. That same
15 method is used by many government and other agencies that are
16 assigned the role of assessing whether certain chemicals cause
17 cancer, including IARC, the National Toxicology Program, the
18 EPA.

19 And I think this quote from the American Law
20 Institute's Restatement (Third) of Torts is significant.
21 "Scientists report that an evaluation of data and scientific
22 evidence to determine whether an inference of causation is
23 appropriate requires judgment and interpretation. Scientists
24 are subject to their own value judgment and preexisting biases
25 that may affect their view of a body of evidence. There are

1 instances in which, although one scientist or group of
2 scientists comes to one conclusion about factual causation,
3 they recognize that another group that comes to a contrary
4 conclusion might still be reasonable. Judgments about
5 causation may also be affected by the comparative costs of
6 errors as when caution counsels in favor of declaring an
7 uncertain agent toxic because the potential harm it may cause,
8 if toxic, is so much greater than the benefit foregone if it
9 were permitted to be introduced. Courts, thus, should be
10 cautious about adopting specific scientific principles taken
11 out of context to formulate bright-line legal rules or conclude
12 that reasonable minds cannot differ about factual causation."

13 The point of this, your Honor, is this -- or one of
14 the points: Defendants have strenuously argued in their
15 briefs, and it's apparent from their expert testimony, that
16 their primary position as a matter of law in this case is that
17 there must be statistically significant formal epidemiological
18 studies that show an association between benzene and APL
19 specifically in order for Martyn Smith to reach a reliable
20 admissible opinion under Daubert. And that's wrong as a matter
21 of law and it's wrong as a matter of science.

22 On to the role of scientific judgment. Dr. Cranor
23 discussed the Rudén article which gives an example of
24 reasonable scientists coming to reasonable disagreement, all
25 having reviewed exactly the same evidence. Seven agencies were

1 assigned the task of assessing the same body of epidemiology
2 studies on TCE, including IARC, the ACGIH and European
3 agencies. None of them could agree about anything except --
4 well, four of them agreed the data was negative; the three that
5 said it was positive were talking about different studies and
6 different types of cancer in order to establish a positive
7 association; thus, there is inevitably going to be scientific
8 disagreement with the application opposite views.

9 I've already discussed that epidemiology is not a sine
10 qua non. I think it's important to remind the Court of what
11 the NTP listing criteria for "reasonably anticipated to be a
12 human carcinogen" includes. This is the third of three
13 possibilities that can satisfy that criteria as listed by the
14 NTP. You can be satisfied by "less than sufficient evidence of
15 carcinogenicity in humans or laboratory animals; however, the
16 agent, substance or mixture belongs to a well-defined
17 structurally related class of substances whose members are
18 listed in a previous report as either known to be a human
19 carcinogen or reasonably anticipated to be a human carcinogen,
20 or there is convincing relevant information that the agent acts
21 through mechanisms indicating that it would likely cause cancer
22 in humans." That's the kind of information that Dr. Smith was
23 relying on, in part, in his opinions in this case.

24 I remind the Court to look at Exhibit 6 to the
25 plaintiffs' opening brief which is an article by a scientist

1 with the National Institute of Health discussing how
2 epidemiology is not required. Dr. Smith testified to this; Dr.
3 Cranor testified to this; IARC and NTP establish it. That's
4 what scientists do. They can reach general causation opinions
5 without necessarily having positive statistically significant
6 epidemiology findings.

7 THE COURT: Let me just ask you this: Isn't the point
8 of epidemiological studies that the phenomenon actually has
9 been observed as opposed to theorizing about whether it might
10 be observed? Isn't that a role for epidem- -- in other words,
11 the historical or empirical rather than, for lack of a better
12 word, theoretical or --

13 MR. JENSEN: Well, I mean, I think a lot of
14 toxicologists would fence with you, your Honor, about whether a
15 mechanistic study provides merely a theory about what's going
16 on in the body. A lot of toxicologists would say, look, once
17 we've done a test, the laboratory test that's controlled that
18 establishes that this effect occurs, then it's not a
19 theoretical; it is at the very least probable. You have to
20 make a few inferences about going from the laboratory setting
21 to elsewhere. But it's not merely theoretical.

22 Now, is epidemiology about observation in humans?
23 Clearly it is. It has its own set of limitations just as
24 toxicology has its. But they're both -- the important point
25 for this Court as a matter of law is that scientists can

1 integrate those kinds of evidence, and the scientists who
2 assess this kind of very issue, whether a chemical causes
3 cancer, do not, as a sine qua non, have to have epidemiology to
4 reach a more-likely-than-not conclusion.

5 Now, is it certain? No. There's going to be
6 uncertainty associated with it. But a more-probable-than-not
7 conclusion like reasonably anticipated to be a carcinogen or a
8 probable carcinogen, which is the way IARC puts it, does not
9 require epidemiology as a sine qua non.

10 In fact, Dr. Bennett gave us a really good example of
11 having a finding of causation without the requirement of
12 epidemiology. He said, quote, "We know that we can cause APL
13 in patients whom we administer chemotherapy, and that's what we
14 refer to as secondary AML, and there are well-described
15 multiple case reports and series of chemotherapy compounds that
16 interfere." And you heard this discussion, blah, blah, blah.

17 He was talking about chemotherapeutic agents causing
18 APL and secondary AML from case reports. But he said, "We know
19 that we can cause APL, but the case reports indicate" --

20 That's not formal epidemiology; in fact, that's less
21 scientific than toxicology studies in a controlled laboratory
22 experiment.

23 Daubert doesn't require it. There's a whole series of
24 cases that we've already cited to the Court.

25 Dr. Smith's four lines of evidence, the Court will

1 recall, are the epidemiology of benzene and AML generally; the
2 common cell of origin for all AML subtypes -- or his opinion
3 that there is a common cell of origin for all AML subtypes; his
4 opinion that the inhibition of topoisomerase II by benzene's
5 metabolites is a probable cause of APL; and what information he
6 can glean out of the few epidemiology studies that have any
7 APL-specific information with regard to benzene-exposed
8 populations.

9 As to the epidemiology of benzene and AML, it's
10 undisputed by all experts that benzene causes AML. It's
11 undisputed that the studies satisfy the Bradford Hill
12 considerations; the defendants have pointed to no published
13 literature that benzene causes some but not all types of AML;
14 and the defendants make no argument regarding Dr. Smith's
15 method for analyzing this literature. He used a
16 weight-of-the-evidence review just as is done by everyone else.

17 As to the common origin of AMLs. Dr. Smith's opinion
18 is that all subtypes of AML arise from the same progenitor or
19 stem cell. Now, clearly Dr. Bennett and Dr. Pyatt have
20 testified over the last two days that they disagree with that.
21 They both, however, have testified that reasonable minds and
22 reasonable scientists can and do differ on that very issue.

23 On the second piece, benzene causes chromosomal damage
24 at the progenitor cell level before the cells have completely
25 differentiated, at the level of what we've been describing as

1 the CMP, where all AMLs can descend from, benzene is causing
2 that damage. Undisputed.

3 Shown in this study, Lan, et al, of course one of Dr.
4 Smith's studies, in which benzene is attacking the CFU-GEMM
5 cell, one of the progenitor cells from which all AMLs descend.
6 You can see the CFU-GEMM there.

7 As I said, Dr. Bennett and Dr. Pyatt agree that
8 reasonable scientists disagree. Dr. Pyatt testified that
9 hematopoietic progenitor cells are a target tissue for benzene
10 toxicity, and neither Dr. Pyatt nor Dr. Bennett challenged Dr.
11 Smith's methods for reviewing the literature and analyzing this
12 issue. They weren't challenging his method; they were
13 challenging his conclusion.

14 Your Honor, if we were here to decide whether benzene
15 causes APL or to decide this sub-issue of whether all AMLs have
16 a common origin, that would be a dog fight. What's not a dog
17 fight is what the Daubert requirements limit your role to,
18 which is did Dr. Smith reach an inference based on data that
19 was available using the proper methods. There's really no
20 contest about that.

21 THE COURT: If -- let's make an assumption.

22 MR. JENSEN: Sure.

23 THE COURT: If the evidence were to be such that it
24 appeared that Dr. Smith's opinion could properly be classified
25 as an hypothesis, would that be sufficient to permit a jury to

1 conclude that it was more than that?

2 MR. JENSEN: Well, your Honor, it depends on what you
3 mean by a "hypothesis." There is data from which reasonable
4 scientists -- not just Dr. Smith, but reasonable scientists --
5 the ATSDR, John Whysner -- others are inferring that probably
6 this is what's happening. So, you know, if you're describing a
7 hypothesis as something that hasn't been certainly proved by
8 either, you know, 95 percent statistical certainty observation
9 or by some other clinically verified experiment, then, yeah, I
10 mean, so long as someone has data from which they can make an
11 inference that supports a more-likely-than-not explanation,
12 then, yes, it is admissible.

13 THE COURT: Well, I guess my -- let me ask it a
14 different way. You might have a case where an expert for the
15 plaintiff says A causes B, and an expert for the defendant says
16 A does not cause B.

17 MR. JENSEN: Right.

18 THE COURT: And the jury would then, I guess, evaluate
19 the presentations and decide whether the plaintiff was more
20 persuasive than alternate explanations including, perhaps, the
21 defendants', although the burden would be on the plaintiff.

22 What I was trying to isolate is the case where it's
23 fairly understood the plaintiffs' supporting opinion was that A
24 very well might cause B, and the response was there's not
25 sufficient evidence that it does. In that case would the

1 statement from the Ruiz-Troche case, that it's up to the jury
2 to evaluate the provenance be applicable, or is that a case
3 where the Daubert gate becomes important?

4 MR. JENSEN: Well, your Honor, if the inference that
5 the expert at issue has made, as far as that expert is willing
6 to go from the data is to say that it might happen, I think
7 that that may well be an occasion that --

8 THE COURT: Well, there could be two occasions -- two
9 expressions of that, I suppose, whether explicitly that's the
10 opinion --

11 MR. JENSEN: Uh-huh.

12 THE COURT: -- or alternately, whether the opinion,
13 although not explicitly limited to a possibility, or a
14 plausibility, is fairly understood that way rather than as an
15 assertion at a higher level of confidence, I guess.

16 MR. JENSEN: Well, I don't know with respect to the
17 second position unless I had more information. I can tell you
18 this: Dr. Smith's opinion is that it is not merely plausible
19 that benzene causes APL through topoisomerase inhibition; it is
20 probable. It is not merely plausible that all AMLs start from
21 a common cell of origin, but it is probable. And that is his
22 opinion.

23 And therefore -- and there is data from which
24 reasonable scientists -- not just Martyn Smith but others --
25 can and do make those same kinds of inferences. And, in fact,

1 defendants' witnesses, to a large extent, acknowledge that very
2 fact. And so in this case you don't have to reach the issue
3 that you just asked me about.

4 THE COURT: Let me ask it in even a different way.
5 Referring to the Rudén article where there are three who say
6 one thing -- or they actually say three things, but you
7 classified them as one thing -- and then you have four who say
8 something else.

9 If that were all presented to the jury, would the jury
10 be able to rationally decide among them?

11 MR. JENSEN: Well, it is the jury's responsibility to
12 do that. It is the constitutional delegation --

13 THE COURT: What would be the tool that the jury would
14 use?

15 MR. JENSEN: All the information and assessment of the
16 credibility of the various experts who would be coming in and
17 explaining why they reached the inferences they reached. It's
18 the jury's role -- it's clearly a scientific judgment issue.
19 And once you get to a decision tree -- a point in the decision
20 tree where resolution of that decision requires application of
21 what is subjective scientific judgment, whose judgment is
22 better than the -- which person's judgment is better than the
23 other is the jury's role to decide and not yours.

24 THE COURT: So the jury could decide because four say
25 one thing and three say the other, they would go with the four?

1 That would be a way of resolving it.

2 MR. JENSEN: Well, they could decide on whatever basis
3 they assess the credibility of the witnesses.

4 THE COURT: And we would never know. It's a black
5 box.

6 MR. JENSEN: We would never know. Sometimes we
7 interview them, but we can't know. And that's their job.
8 That's the way our Constitution works. It's their position to
9 do that, whether it's scientific evidence or any other kind of
10 evidence.

11 THE COURT: All right.

12 MR. JENSEN: There's an additional line of evidence by
13 analogy that we didn't describe as being one of the four prongs
14 of Dr. Smith's opinion, but it's nonetheless of some
15 significance here, which is the evidence that Dr. Smith
16 mentioned that benzene is capable of causing all subtypes of
17 AML as drawn from the analogy to radiation. Benzene is
18 considered radiomimetic in that it acts in a very similar way
19 to ionizing radiation which is known to cause all the different
20 kinds of leukemia including AML -- excuse me -- including APL.

21 Now, on to topoisomerase inhibition, quickly. Dr.
22 Smith's opinion I think the Court is well familiar with. As to
23 the defendants -- well, first of all, the ATSDR I went over
24 with Dr. Pyatt have established that benzene does, in fact,
25 inhibit topoisomerase II, and Dr. Pyatt admitted that this

1 statement in the ATSDR should fairly be read to say -- the
2 ATSDR is saying it inhibits topoisomerase II not just in a cell
3 culture but in human beings. That's what they think. And Dr.
4 Whysner thinks the same thing. In fact, he goes farther than
5 that to say not only is it likely inhibiting topoisomerase in
6 humans, but that inhibition is, in fact, causing leukemia.

7 It is well accepted that topoisomerase II inhibitors
8 induce APL. Not only is it in the literature, but Dr. Bennett
9 testified about it.

10 There is no methodology critique -- again, this is
11 about a battle of the experts on a conclusion. They've reached
12 different inferences from reviewing the same body of data. The
13 methods that they've applied on each side of the aisle were
14 exactly the same. They looked at the same literature; they
15 made inferences from it. Some thought it supported one
16 inference; some thought it supported the other. That is a
17 scientific judgment issue that is a credibility role for the
18 jury.

19 And now let's take a look at the trees, which is what
20 Mr. Leghorn's going to spend all his time talking about.

21 THE COURT: You're going to run into your eight
22 minutes. Do it quickly.

23 MR. JENSEN: All right. Dr. Smith -- and this is
24 important -- said that he didn't need anything -- any of the
25 data from the so-called APL-specific epi studies to reach his

1 opinion. There's only one study out there that sought to
2 measure association by subtypes. That's the Acta case-control
3 study, the Chinese case-control study not to be confused with
4 the big Hayes-Travis NCI study, which is a cohort study. No
5 cohort study has been designed to test this out. And the Acta
6 study, in fact, finds an elevated association, although it
7 wasn't statistically significant.

8 The Mele study we've heard a lot about. The Court
9 knows what that's about. It does find a positive association
10 with benzene-exposed workers. The Travis study -- and I'm
11 going to skip over Travis for now because I want to go to
12 Golomb, because I know Dr. -- excuse me, Mr. Leghorn -- I've
13 elevated him -- is going to talk a lot about Dr. Smith's
14 opinion about the Golomb paper.

15 And here's what happened: He made a mistake. He
16 really did. He reached his opinion originally that benzene can
17 cause APL by the mid-'90s. So my point of this slide is that
18 the mistake that he made, which I'll explain in just a moment
19 but I think the Court is aware of it -- the mistake he made had
20 no impact on his opinion. He'd already reached his opinion by
21 the mid-1990s. The Zhang paper, that had contradictory
22 criteria that Mr. Leghorn's going to talk about, was published
23 many years later. Obviously, whether he determined that the
24 seven people in the Golomb paper who were exposed to chemical
25 solvents and metals are best characterized as having possible

1 benzene exposure or probable benzene exposure is not a
2 make-or-break determinant of the overall opinion.

3 And, you know, Dr. Smith agrees that he shouldn't be
4 applying a different set of criteria in his research and his
5 litigation opinions. He'd forgotten what he'd said in the
6 Zhang paper and he made a mistake. But that mistake has very
7 little bearing in the scope of the forest. One study he relied
8 on had more than 60.

9 I reserve the rest of my time, your Honor.

10 THE COURT: Okay. Thank you.

11 Mr. Leghorn?

12 MR. LEGHORN: Thank you. Your Honor. This is a
13 Daubert --

14 THE COURT: Use the podium.

15 MR. LEGHORN: I was going to use the chart. Then I
16 can't use the chart.

17 THE COURT: Okay. But you have to be near a
18 microphone.

19 MR. LEGHORN: Okay. That's fine.

20 This is a Daubert hearing, and the issue is: Is the
21 methodology that Dr. Smith used -- does it meet the standard of
22 medicine, science, epidemiology to draw the conclusion that APL
23 can be caused by benzene or does benzene cause APL? And the
24 question has been explored in different ways.

25 One approach that we've heard here and heard from Dr.

1 Smith was that -- and the defense witnesses agreed. Benzene at
2 certain levels of exposure can cause certain forms of AML. And
3 Dr. Smith admits that in most cases those have abnormalities at
4 the fifth and seventh chromosomes. The other part of his
5 argument is since APL is a form of AML and benzene causes AML,
6 therefore benzene causes APL. That's the classical logical
7 fallacy of the undistributed middle. It's up to -- in that
8 argument, what Dr. Smith has to do, through his methodology, is
9 show that APL is the same as AML.

10 It's not, by his own admission. APL, according to Dr.
11 Smith, has an abnormality at Chromosome 17. Dr. Bennett, a
12 world-renowned hematopathologist: APL is different. It's not
13 the same. It has certain marks. It has a certain signature.
14 Others, as you look at the Eastmond study, have other marks.
15 The M6s have a mark at the 11q23, I believe -- or the M4s and
16 M5s have that -- and the M1s and the 2s at the 5s and the 7s.
17 So the question is, that's one of the things that they have to
18 show in the methodology to get there to show that APL and AML
19 are the same.

20 The evidence to do that doesn't come together. As Dr.
21 Pyatt talked about, it is a straight line. What is the
22 straight line that gets us to that point? Science is based
23 upon objective observations from which conclusions are drawn.
24 At the end of the day there's no objective data, by Dr. Smith's
25 own admission, that you see the t(15;17) translocation in the

1 benzene-exposed that is -- that he could relate to benzene
2 exposure. Remember, he also says on that straight line that
3 the 15;17 translocation occurs generally in the population, and
4 only one out of a thousand of those become APL; admits that it
5 is -- benzene exposure can only -- is a necessary, but not
6 sufficient, cause.

7 We never heard from Dr. Smith -- and it's plaintiffs'
8 burden to demonstrate his methodology of what about the benzene
9 exposure triggers anything that becomes a sufficient cause of
10 APL. And one of the things that you always have to look at in
11 the methodology of -- to draw the ultimate conclusion that
12 APL -- that benzene can cause APL is based on a variety of
13 factors, as Hill said. And if you do look at the scientific
14 manual when it comes to epidemiology or -- and general
15 causation -- I think, unfortunately, the human frailty, that's
16 the best system that we have of noticing association, there are
17 ways of doing that.

18 And it's only when you have that association that you
19 begin the rest of those inquiries. And as Dr. Garabrant and
20 Dr. Smith in their own ways -- Dr. Smith in his own way
21 concedes, a case-control study can yield good information.
22 It's not always the cohort study. In fact, his manipulation of
23 the Golomb data mistake was treated as a case-control study.
24 And sometimes if someone has a hypothesis -- and in the Acta,
25 or the study that is written in Chinese and translated, those

1 researchers looked at benzene in relation to a number of
2 subtypes of AML. They did not find a statistically significant
3 association between benzene exposure and APL, the M3 variety.

4 They looked at 171 cases of APL and 342 controls, I
5 believe. Dr. Smith, in coming to his conclusion, looked at two
6 cases in Mele; four cases in the Travis study; and three, four
7 or five cases in Golomb. Two of those studies didn't measure
8 benzene exposure. He didn't do, when he made his calculations,
9 any analysis to see whether those occurred by chance. And as
10 Dr. Garabrant's presentation showed, he did -- Dr. Smith's
11 Golomb calculation correcting for the inexplicitly missing case
12 in the calculation. And accepting Dr. Smith's throwing out of
13 one, it still comes out to a result that was more likely than
14 not the result of chance.

15 On the stand, under oath, he said he applied different
16 criteria. It was -- your Honor, you talked about the jury
17 being a black box. The expert and the methodology cannot be a
18 black box either. For you to evaluate, you have to be able to
19 understand the steps that were taken. You have to understand
20 the evidence, or the data, that was reviewed. The defendants'
21 presentation was to let you understand that data.

22 It's interesting, Dr. Smith said he formed his opinion
23 that APL was caused by benzene in 1995. In light of what data?
24 Never heard that. He doesn't account for the contrary data to
25 a number of other things that he built into his opinion. One

1 tutorial study was in 1995. If he believed as part of that
2 opinion in 1995 about the stem cell approach, he didn't account
3 for the contrary data that occurs afterwards.

4 If you believed Dr. Cranor that you have to, in
5 offering your opinion in court, consider all the data and offer
6 an opinion, the witness -- the expert witness has to explain to
7 your Honor how he came to that conclusion looking at all of the
8 data.

9 Turhan says a single pluripotent stem cell approach
10 doesn't work. Subsequent data, Chang and others, say it
11 doesn't work. We don't know if or how Dr. Smith accounted for
12 it. We don't know, other than simply dismissing it by paying
13 no attention to the study behind the curtain, why or how he did
14 not consider the lack of an epidemiological finding in the Acta
15 Chinese study was evidence that there was no association. You
16 don't have evidence of one, and when there was an observation,
17 not statistically significant, dismiss it. That's one of the
18 problems in demonstrating the methodology here.

19 And certainly as Dr. Pyatt testified, much has been
20 learned in the last few years about hematopoietic stem cells,
21 where the insult might have occurred, how it might have
22 occurred. And so when you look at it, we don't have that basic
23 epidemiological association, and plaintiffs rely entirely on
24 this, "We have a mechanistic approach," but it is a mechanistic
25 approach that has missing pieces, and where you have to put

1 together disparate and unconnected information to arrive at a
2 conclusion. It is not a simple A to B to C to D to E to F.
3 One's missing. You've got to go up to C+, to look there with
4 regard to other chemicals, with no demonstration that some of
5 the chemotherapy agents act in the same way.

6 Dr. Smith admits on the stand for -- telling us about
7 unpublished papers for the first time that what he told us
8 earlier about benzene and bringing about the 11q23, that
9 doesn't occur. So even he, in that regard, is accepting some
10 of the substance, but he doesn't tell us how he rules out or
11 rules in anything since he supposedly formed this opinion in
12 the mid-1990s, and offers no explanation in his report which
13 cites many studies since that date, what the basis of that
14 original opinion is and how it might have evolved today based
15 upon the current evidence.

16 So I think when you look at it, the methodology here
17 was one of saying, "I can contrive by using some data from
18 Golomb, by doing -- creating some cases of additional APL using
19 the Travis data, that there's some kind of statistics here --
20 whether it's significant or not, it doesn't matter to me -- and
21 I've got an association now, which is really the beginning of
22 the scientific inquiry as to whether there is truly cause --
23 and offering nothing more."

24 The real key here is, your Honor, that as Dr.
25 Garabrant and Dr. Pyatt and Dr. Bennett, Dr. Smith's opinion

1 that benzene can cause the t(15;17) in a mechanistic way is not
2 supported, and, in fact, he says it is not been observed,
3 although he hopes it will be in the future. That's not today.
4 And that's not for sure. The hypothesis is that "With better
5 technique we will confirm my hypothesis." And that's key for
6 his mechanistic model. And it's just not there. There's no --
7 there is no statistically significant epidemiology. There's no
8 contrary epidemiology in a large, powerful study. And Dr.
9 Garabrant indicated that that study had power to find an
10 association if it existed.

11 Dr. Smith says it's impossible to do this. "Did you
12 do any calculations to determine that?" "No." There are
13 methods and techniques, as Dr. Garabrant said, to do it. There
14 are ways to do it. The methodology is flawed here, is not
15 accepted within the community, and much remains unexplained.

16 So in conclusion, your Honor, because there is a lot
17 here, but not much to support the admission under the Daubert
18 standard of Dr. Smith's opinion.

19 Thank you.

20 THE COURT: Let me ask you, I read something from the
21 Ruiz-Troche case, where the Court said, "Daubert does not
22 require the party who proffers expert testimony carry the
23 burden of proving to the judge that the expert's assessment of
24 the situation is correct. Daubert neither requires nor
25 empowers trial courts to determine which of several competing

1 scientific theories has the best provenance, demands only that
2 the proponent of the evidence show that the expert's conclusion
3 has been arrived at in a scientifically sound and
4 methodologically reliable fashion."

5 So I think that's -- plaintiffs' argument is that
6 judgment about the provenance, the ultimate worth of the
7 arguments, I believe, are conflicting opinions is one committed
8 to the jury. Why doesn't that language from Ruiz-Troche point
9 in that direction?

10 MR. LEGHORN: Because, your Honor, we're focusing on
11 the methodology here. If you're going to say that we have a
12 sufficiently defined explanation of biological plausibility,
13 you have to have that with the two end points: one, exposure;
14 and, two, an observed $t(15;17)$ because of that.

15 Here, while there are problems in the middle, there's
16 no observation of that outcome even by Dr. Smith's admission.
17 He's looked for it. He can't find it. He hopes to find it in
18 the future. To say one, two, three, four, and thereby you get
19 there -- he says, "We've looked. We don't see it."

20 So to draw the conclusion from the lack of evidence is
21 not methodologically appropriate in a scientific arena. You
22 have to have some objective data. That one is not there. By
23 his own admission. The McHale 2008 paper says it's
24 inconclusive. Those aren't my words; those were his. So, you
25 know, he's missing the key end point on the biological

1 plausibility, even if you accept the middle.

2 And I think, your Honor, to let that go to the jury,
3 ask them to make that decision which he cannot and has not
4 observed, it doesn't work in that respect. And I think that
5 that's different from saying, based upon these observations
6 which all flow together from A to F, from the exposure to the
7 end point, we've connected the chain, is different from saying
8 we've connected the chain -- and I predict, although I've tried
9 and haven't seen it -- I predict we'll see it. It's different.
10 And I think that's the flaw in the methodology of allowing the
11 biological plausibility theory here.

12 Thank you, your Honor.

13 THE COURT: Mr. Jensen?

14 MR. JENSEN: It's not an issue of -- certainly it's an
15 issue of probability. It's not speculation if he says it's
16 probable from the data that I can infer that benzene, or its
17 metabolites, cause the 15;17 translocation. And by the way,
18 he's the most qualified scientist in the world to answer that
19 question because he's the only one that ever looked. He's
20 published more on chromosomal translocations and benzene
21 metabolites than anyone in the world.

22 And it's not merely that he says, "Oh, I hope that it
23 will happen." He hopes that it will happen based on data.
24 What's the data? A, that he knows that the epidemiology
25 establishes conclusively that benzene causes AML as a group;

1 and, B, that the weight-of-the-evidence review that he's done
2 of mechanisms and the pathological genesis of AMLs as a group
3 is that they all start at the same spot, and that benzene
4 causes damage at that spot.

5 From that, together with the fact that benzene
6 inhibits topoisomerase, and other topoisomerase inhibitors
7 have, in fact, been demonstrated to cause the 15;17
8 translocation -- they want to talk about 11q23. 11q23 is not
9 APL. 15;17 is APL. 15;17, according to Dr. Smith's unrebutted
10 testimony, is caused by a different topoisomerase mechanism
11 than the 11q23. 11q23 is a red herring.

12 Let's talk about what the evidence is, and it's
13 evidence from which reasonable scientists can draw the
14 inference that more likely than not benzene and its metabolites
15 cause APL. That's what Dr. Smith has done based on reliable
16 methods of reviewing the evidence of which there's no contest
17 about. The only methodological contest that Mr. Leghorn wants
18 to talk about is what Dr. Garabrant wants to talk about in the
19 review of the data -- the little snippets of data that Dr.
20 Smith was able to glean that are APL-specific out of a few
21 epidemiological studies or case series.

22 That was the fourth leg of a stool that stands quite
23 well on three legs, as Dr. Smith said, number one. Number two,
24 Dr. Garabrant's opinions about methodology on formal
25 epidemiological studies misses the point. Dr. Smith wasn't

1 attempting or purporting to conduct formal epidemiological
2 studies; all he was trying to do was see if what little data he
3 could glean out of Travis and Golomb, out of the other paper,
4 the Yang paper, are supportive or not supportive of the
5 hypothesis that he says is probable and established by the
6 other three lines of evidence already.

7 He didn't even need that fourth line of evidence. He
8 checked it and he found it was consistent with the other three.
9 Dr. Garabrant didn't like his opinions and didn't like his
10 methods because he said they weren't formal epidemiology.
11 Well, Dr. Smith made no claim that they were.

12 Mr. Leghorn contends that the Acta study is
13 affirmative evidence against benzene causes APL, and that's
14 ridiculous and unscientific. Epidemiology can never prove the
15 null hypothesis. Multiple scientists testified to that here.
16 Not only that, it's not even a negative study; it's a positive
17 study. There's a positive association in that study between
18 benzene and APL. Now, it's not significantly significant.
19 It's possible that chance accounts for that positive
20 association. But to the extent it shows us anything, it shows
21 us some evidence that there is an association.

22 Is that evidence enough in and of itself? Of course
23 not. But that's not the primary basis for Dr. Smith's opinion.
24 The primary basis for Dr. Smith's opinion were the first three
25 prongs of his analysis. Benzene causes AML, well-established

1 fact; all AMLs probably originate from the same stem -- from
2 the same cell; and topoisomerase -- benzene inhibits
3 topoisomerase, and topoisomerase does cause APL.

4 Put all of that together, and Dr. Smith says those are
5 the three major prongs of my opinion. All of those are
6 probable. Reasonable scientists do not have any disagreement
7 about prong one; and as to prong two and three, their experts
8 agree that reasonable scientists can disagree about it.

9 There's enough data there that you can make the inference that
10 this probably is occurring the way that Dr. Smith suggests that
11 it is.

12 If you can make that effort -- inference based upon
13 the data -- and they have admitted that you can, and you apply
14 the correct methodology, which they haven't even contested --
15 this is not a close question. We brought you one of the best
16 benzene scientists in the world. The colloquy between Mr.
17 Stewart and Dr. Bennett yesterday I thought was extraordinary.
18 I don't know if the Court's ever heard anything about it
19 before. One witness said, "I am not an expert in the causation
20 of acute myeloid leukemia but the other expert is a really good
21 expert on that." He didn't say "really good"; he said he's an
22 expert.

23 We brought you the guy. It's not a junk opinion.
24 There's no basis for this Court to override the scientific
25 judgment credibility battle that's going on here. We may have

1 a dog fight with the jury; this is not a close question for the
2 Court.

3 THE COURT: All right.

4 MR. LEGHORN: One comment on that. With regard to the
5 11q23, Dr. Smith used to believe that benzene caused that
6 translocation. The first time that we have heard that he had
7 concluded differently now was when he appeared on the stand,
8 which shows that predicting that what you are going to see is
9 nothing more than hoping for something to happen in the future.

10 Thank you, your Honor.

11 THE COURT: All right. Thank you, all. I will take
12 the matter under advisement.

13 MR. LEGHORN: Your Honor, we have worked out with the
14 clerk and counsel that we're going to assemble a set of
15 exhibits and get them to you next week.

16 THE COURT: Fine. Are you going to have the
17 PowerPoint presentations on disk?

18 MR. LEGHORN: Yeah, we will have those. I have the
19 copies --

20 MR. STEWART: If you want them on disk, that is how
21 we'll provide them.

22 THE COURT: That will be convenient.

23 MR. STEWART: So what we have basically decided is our
24 offices -- our offices will communicate with one another, come
25 up with a plan, submit something that is court-personnel

1 friendly.

2 THE COURT: Good.

3 MR. STEWART: And thank you for your patience, your
4 Honor.

5 MR. LEGHORN: Thank you, your Honor.

6 THE CLERK: All rise. Court is in recess.

7 (The proceedings adjourned at 2:55 p.m.)

8
9 C E R T I F I C A T E

10
11 I, Marcia G. Patrisso, RMR, CRR, Official Reporter of
12 the United States District Court, do hereby certify that the
13 foregoing transcript constitutes, to the best of my skill and
14 ability, a true and accurate transcription of my stenotype
15 notes taken in the matter of Civil Action No. 07-11944-GAO,
16 Brian K. Milward, et al, v. Acuity Specialty Products Group,
17 Inc., et al.

18
19 /s/ Marcia G. Patrisso
20 MARCIA G. PATRISSO, RMR, CRR
21 Official Court Reporter
22
23
24
25