

COPY

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
BEAUMONT DIVISION

CECIL SCOTT, ET AL]

v.]

No. B-84-1103-CA

MONSANTO COMPANY]

VOLUME 1

VIDEOTAPE DEPOSITION

WILLIAM RICHARD JR

April 16, 1987

1300 Post Oak Boulevard

Houston, Texas

Jerry Kelley, Court Reporter

Nell McCallum & Associates Inc.

2900 Smith, Suite 104

Houston, Texas 77006

(713) 523-3767

NELL MC CALLUM & ASSOCIATES, INC.

HARTOLDMON0009036

Page Line

[illegible]

APPEARANCES

For the Plaintiffs:

David M. Lacey

William Little

Attorneys at Law

Gilpin, Pohl & Bennett

Allied Bank Tower, 23rd Floor

1300 Post Oak Boulevard

Houston, Texas 77056

For the Defendant:

Jonathan B. Shoebbotham

Attorney at Law

Woodard, Hall & Primm

4700 Texas Commerce Tower

Houston, Texas 77002

1 Volume 1 of the Videotape Deposition of
2 William Richard Jr., taken on April 16, 1987, at 1300
3 Post Oak Boulevard, Houston, Texas, between the hours of
4 9 a.m. and 5:30 p.m. before Jerry Kelley, CSR No. 2004
5 and Notary Public in and for the State of Texas.
6
7
8 -----
9
10

11 VIDEO OPERATOR: This deposition is being
12 taken in Cause No. B-84-1103-CA and is filed in the
13 United States District Court for the Eastern District of
14 Texas, Beaumont Division. The style of the case is
15 Cecil Scott, et al, versus Monsanto Company. For
16 identification purposes, the video technician is Johnna
17 Coalson of the firm Executive Services, and the
18 certified court reporter present today is Jerry Kelley
19 of the firm Nell McCallum & Associates. Today's date is
20 April 16th and the time is approximately 9:15 a.m. We
21 are here today to take the oral and video deposition of
22 the witness Mr. William R. Richard Jr. We are located
23 at 1300 Post Oak Boulevard, Houston, Texas. At this
24 time will counsel please state their appearances for the
25 record?

1 MR. LACEY: David Lacey representing the
2 plaintiffs.

3 MR. SHOEBOOTHAM: Jonathan Shoebotham with
4 Woodard Hall & Primm representing defendant Monsanto
5 Company.

6 VIDEO OPERATOR: Would the court reporter
7 please swear in the witness.

8
9
10
11 WILLIAM RICHARD JR.,
12 being duly sworn, testified as follows:

13
14 EXAMINATION BY

15
16 MR. LACEY:

17 Q Will you state your full name for the record,
18 please?

19 A William Ralph Richard, Jr.

20 Q And where do you live, Mr. Richard?

21 A I live at 729 Delchester Lane, Kirkwood,
22 Missouri.

23 Q Are you currently employed?

24 A I'm self-employed.

25 Q And how are you self-employed?

1 A I have my own consulting company, one-man
2 company, Delos Incorporated.

3 Q The name?

4 A Delos, D e l o s, Incorporated.

5 Q How long have you had that business?

6 A From December '85.

7 Q Tell me a little bit about your educational
8 background.

9 A I have an AB degree from Amherst College,
10 class of '43; I have a master's in chemistry from the
11 University of Michigan in '47; and a Ph.D. from the
12 University of Michigan in organic chemistry in '51.

13 Q Was the AB degree in chemistry?

14 A Yes.

15 Q And following your bachelor's degree were you
16 in the armed service?

17 A No. I worked for Monsanto Company in
18 Springfield, Massachusetts.

19 Q When was your first employment with Monsanto?

20 A January '43.

21 Q And that was after you actually acquired your
22 degree?

23 A That's right.

24 Q And did you remain in the employment of
25 Monsanto while you worked on your master's degree? Or

1 did you take a leave?

2 A I had a one-year leave of absence from '46
3 through part of '47, and then I resigned from Monsanto.

4 Q In 1947?

5 A Right.

6 Q And that was after you acquired your master's
7 degree in chemistry?

8 A I'm not sure. But it was concerned with I
9 had to stay in graduate school, I wanted to get a Ph.D.,
10 so I resigned. They wanted me to come back after a
11 year.

12 Q I see.

13 After getting your master's degree, did you
14 continue on in graduate school to get your Ph.D.?

15 A Right. Exactly.

16 Q And during the time from the time you started
17 your master's degree until you completed your Ph.D.,
18 were you a full-time student?

19 A I was a full-time grad student.

20 Q Right. Did Monsanto pay for any part of that
21 education?

22 A No, they did not.

23 Q Did you have any teaching responsibilities or
24 fellowships, assistantships while you were in graduate
25 school?

1 A I was a teaching fellow for two years at the
2 university, and I was a Du Pont fellow for about the
3 last two years.

4 Q Okay.

5 After you obtained your Ph.D., where did you
6 go to work?

7 A I returned to Monsanto Company at the Dayton
8 laboratories, Dayton, Ohio.

9 Q Okay. And from 1951 until what year did you
10 remain in Monsanto's employment?

11 A I actually started in the fall of 1950, a few
12 months before the degree was formally granted, and I
13 remained in Monsanto's employ until December 1985.

14 Q The time that you left to form your own
15 consulting business?

16 A I retired from Monsanto, right.

17 Q That was a retirement in '85?

18 A Retirement.

19 Q Let me ask you a little bit about your work
20 assignments with Monsanto. From 1943 until you started
21 your master's degree what were you assigned to do?

22 A I was in the plastics division. I worked on
23 safety glass, on butyral, the safety glass group, I
24 worked for Schawinigan Resins Corporation and on
25 polyvinyl chloride.

1 Q What was the name of the company,
2 Schawinigan?

3 A Schawinigan.

4 Q Was that a subsidiary of Monsanto?

5 A It was a 50/50 subsidiary of Monsanto.

6 Q Were you actually located in a Monsanto
7 facility while you were doing that work or were you
8 assigned to some other location?

9 A It was an adjoining plant. It abutted the
10 Monsanto facility and was owned 50/50. There were gates
11 and a separate corporation. But I was assigned there
12 for roughly a year.

13 Q During that time were you paid by Monsanto or
14 by the joint venture?

15 A By Schawinigan Resins.

16 Q When you came back to Monsanto in 1950 you
17 went to a different location?

18 A Correct.

19 Q And that was in Dayton, Ohio?

20 A Dayton, Ohio.

21 Q And what was located in Dayton, Ohio?

22 A It was the facility of the central research
23 department.

24 Q And what sort of things were assigned to the
25 central research department?

1 A Initial exploratory research, some physical
2 chemistry.

3 Q What sort of things are involved in initial
4 exploratory research?

5 A In the whole facility or that I was connected
6 with?

7 Q What I'm really trying to find out is
8 generally what do you mean by the terminology initial
9 exploratory research, not a particular job that you did
10 or somebody else did, but what's involved in that work?

11 A Well, something that the company was not
12 already making, was not manufacturing, and that they
13 possibly could or would want to in the future
14 manufacture.

15 Q Would this be brand-new chemicals that nobody
16 else made or would it be chemicals that others were
17 already making?

18 A Both.

19 Q Okay.

20 A Both.

21 Q In terms of chemicals that others were
22 already making, I take it that not every chemical
23 company produces every chemical that can be made. Is
24 that correct?

25 A That's correct.

1 Q And is it also correct that a particular
2 company may have to develop its own process for making a
3 particular chemical in order to be able to make it?

4 A That's true.

5 Q And why is that?

6 A The process required may be different, it may
7 be superior in terms of economics, might be superior in
8 terms of quality, starting raw materials might be
9 different.

10 Q Are there ever any restrictions that would
11 keep one chemical company from simply copying what
12 another chemical company is doing to make exactly the
13 same thing in exactly the same way?

14 A Yes. The patent system in the United States
15 --

16 Q So chemicals --

17 A -- may forbid it.

18 Q I'm sorry. So chemicals can be or chemical
19 processes can be patented?

20 A Yes, chemical products could be patented.

21 Q Or products. And if that happens, then let's
22 just say that chemical company A has a patent on a
23 particular process for a particular product, company B
24 could not make that same product by that same process
25 without getting permission from company A. Is that

1 correct?

2 A Presently that would be correct.

3 Q Okay.

4 And if I understand what you are saying, the
5 research that was being done at this Dayton, Ohio,
6 central research department would involve both looking
7 at ways to make chemicals that other companies were
8 already making and also developing chemicals that nobody
9 was making at that time.

10 A It could be that way.

11 Q Do you know if it was that way?

12 A I can't be precise.

13 Q Okay.

14 How long did you stay at the Dayton central
15 research department?

16 A I stayed from 1950 through 1961.

17 Q Okay. And during that --

18 A Through part of 1961.

19 Q During that period of time, what different
20 titles did you hold there?

21 A I was a research chemist, I was a group
22 leader, and I was a section leader.

23 Q And can you tell me the chemicals that you
24 worked on while you were located at that facility?

25 A I can tell you some of them.

1 Q If you would, do that, please.

2 A I worked on polyvinyl chloride, I worked on
3 polyethylene high pressure process, I worked on ethylene
4 copolymers, I worked on low pressure polyethylene,
5 crystalline polystyrene. Those are the principal
6 products I worked on.

7 Q Okay.

8 What happened in 1961 with regard to your
9 work assignments for Monsanto?

10 A The facility was given over to government
11 research -- contract research, not exclusively
12 government, but contract research dealing with outside
13 customers. And part of the personnel were transferred
14 to the Monsanto facility in St. Louis. A new research
15 center was built there.

16 Q The personnel that stayed in Dayton, did they
17 remain Monsanto employees?

18 A Yes.

19 Q And additional Monsanto employees were
20 transferred to the St. Louis research facility?

21 A That's correct.

22 Q Did that research facility have a particular
23 name?

24 A They called it the research center in
25 St. Louis.

1 Q Was that, after it was constructed, the main
2 research center for all Monsanto?

3 A It became one of the research centers for
4 Monsanto, yes.

5 Q Let me try to understand a little bit about
6 Monsanto Company itself. Is Monsanto a United States
7 company?

8 A Incorporated in the State of Delaware.

9 Q Okay. I guess what I was really asking you
10 is whether its business all takes place in the United
11 States.

12 A It does not. It takes place outside of the
13 United States as well as inside.

14 Q Is there a headquarters location for Monsanto
15 somewhere?

16 A Yes; St. Louis, Missouri.

17 Q Okay. In terms of operations that do take
18 place in the United States, what states was Monsanto
19 operating in in the 1960s and 1970s?

20 A I can't nominate all the states. You would
21 have to get it from Monsanto Company.

22 Q Okay. Well, can you give me the names of
23 states in which you are aware that Monsanto had
24 facilities?

25 A Massachusetts -- you're talking about

1 manufacturing facilities now?

2 Q Well, let's start with that. Manufacturing
3 facilities, yes.

4 A I can't give you all the sales offices.

5 Q Okay.

6 A Manufacturing facilities, I can give you
7 some. Massachusetts --

8 Q Where? If you know.

9 A Everett outside Boston.

10 Q Okay.

11 A Springfield, Massachusetts.

12 Q Okay.

13 A New Jersey, Trenton, Camden --

14 Q Is that Trenton and Camden, are those two
15 different facilities?

16 A That's two different facilities.

17 Q Okay.

18 A Jersey. Florida was Pensacola. Alabama was
19 Anniston. Missouri, they had two or three facilities in
20 and around St. Louis. Illinois was Sauget. Iowa,
21 Muscatine. Facility in Huntsville later on. I'm not
22 sure of the timing.

23 Q Is that Iowa?

24 A Huntsville, Alabama.

25 Q Alabama.

1 A Yeah.

2 Q Okay.

3 A Decatur, Alabama. Let's see. Columbia,
4 Tennessee. Nitro, West Virginia.

5 Q I'm sorry. The town?

6 A Nitro, West Virginia.

7 Q Nitro?

8 A Nitro, West Virginia. Soda Springs, Idaho.
9 Small facility in Seattle, Washington. Long Beach,
10 California. Texas, Texas City and Alvin. That's the
11 majority that I can think of.

12 Q Okay. Looks like we've got maybe 20 or more
13 different manufacturing locations spread throughout the
14 United States. Is that correct?

15 A That's correct.

16 Q Did all of these plants make the same
17 chemicals?

18 A Did they all make the same chemicals?
19 Sometimes, yes, but usually not.

20 Q Okay. So we might have one of these plants,
21 say the one in Soda Springs, Idaho, might make two or
22 three chemicals that Monsanto sold and the one in
23 Seattle, Washington, might make two or three different
24 chemicals that Monsanto sold?

25 A That's possible. But we also had some

1 duplication in terms of two manufacturing facilities for
2 the same product.

3 Q Okay.

4 Let me just understand as best I can about
5 any manufacturing facilities outside the United States.

6 A I'm less familiar with the manufacturing
7 facilities outside the United States.

8 Q Are you aware of any of them at all?

9 A Yes.

10 Q If you would --

11 A There were two in England.

12 Q Okay.

13 A One is Ruabon, in North Wales, and another
14 one was in Newport in South Wales. We also had a
15 facility in Antwerp, Brussels. We have operated in
16 Spain; operated a small plant, safety glass plant, in
17 France. Operated a fiber plant in Israel for a short
18 time.

19 Q Fiber?

20 A Fiber. Operated in South America, Brazil.

21 Q Those are all you can recall at this point?

22 A Without a lot of effort to go through more.
23 There may be more.

24 Q Okay.

25 A There are more.

1 Q Okay. Well, that's fine. I just wanted to
2 get a general idea. You are the first Monsanto employee
3 or ex-employee we've deposed in the case, so you get the
4 benefit of helping us understand a little bit about
5 Monsanto and how they operated.

6 Let me ask very briefly about the
7 organization of Monsanto as a company or companies. You
8 mentioned that one time you were assigned to a joint
9 venture they were in. Can you explain to me generally
10 how Monsanto was organized from a corporate standpoint?

11 MR. SHOEBOOTHAM: At what particular point in
12 time, Mr. Lacey?

13 MR. LACEY: If the arrangement changes, then
14 I would like to try to understand that.

15 A This is a difficult question.

16 MR. LACEY:

17 Q Okay.

18 A Very difficult question. I'm not a corporate
19 man in that sense. I might be able to give you some
20 general answers, but not specifics.

21 Q It would help me if you could give me any
22 general information. As I say, you're the first person
23 from Monsanto that we have deposed in the case, so --

24 A I think that you should specify the time.
25 The corporation setups continually changed with regard

1 to whether they had divisions or companies. The
2 arrangement was changed whether it was a geographic
3 organization or whether it was organized on product
4 lines or on process lines. Monsanto kept growing,
5 adding. So this is a very complex subject.

6 Q Well, let me try first to say -- let's pick
7 the year 1970, or thereabouts, and try to understand as
8 best you can explain for us how the company was
9 organized in and about 1970.

10 A I can tell you more about where I was in the
11 organization.

12 Q Okay. Well, that will be helpful.

13 A And maybe slightly preceding that. I was
14 assigned in 1963 and up through '70 to the organic
15 division.

16 Q Organic means --

17 A Organic division, which made -- which was --
18 made principally organic chemicals.

19 Q And when we talk about organic chemicals,
20 we're talking about chemicals that have carbon in their
21 molecular structure?

22 A That's correct.

23 Q Okay.

24 A There may have been exceptions, but in
25 general they had organic chemistry as their main base of

1 operation.

2 Q Okay.

3 A This does not mean that the rest of the
4 company -- the rest of the company could also deal in
5 organic chemicals. So there was a -- you would have had
6 to define exactly what products the organic division
7 made.

8 Q But in terms of just a general understanding,
9 when we use the term organic, talking about organic
10 chemistry, organic chemicals, we're normally talking
11 about chemicals that have carbon in their structure?

12 A That's right.

13 Q Okay.

14 A But so do plastics. So we were talking about
15 probably molecular weights under 500.

16 Q Okay. And the organic division was the
17 organic division of Monsanto?

18 A The organic division of Monsanto Company.

19 Q And where was the organic division of
20 Monsanto Company located, if it was located in any
21 particular spot?

22 A Their principal operations were in St. Louis
23 area and the Queeny plant was the -- one of the larger
24 plants. And they also operated, I think, at that time,
25 the Krummrich plant, or a good many products in the

1 Krummrich plant. Also had some operations at Anniston,
2 Alabama.

3 Q So the three plants that you recall that
4 basically were part of or had production for the organic
5 division were the Queeny plant, the Krummrich plant and
6 the Anniston plant?

7 A Yeah. Also Delaware River, which is one I
8 didn't mention before.

9 Q And where was the Queeny plant located?

10 A Queeny plant is located in St. Louis on South
11 Second Street.

12 Q That's on the Missouri side of the river?

13 A On the Missouri side.

14 Q And where is the Krummrich plant located?

15 A In Sauget, Illinois.

16 Q And how close is that to St. Louis?

17 A It's across the river in Illinois.

18 Q Okay. Is it generally directly across from
19 St. Louis?

20 A Roughly.

21 Q What would be the physical distance as the
22 crow would fly between the Queeny plant and the
23 Krummrich plant?

24 A I don't know the distance, but a mile, half a
25 mile.

1 Q Okay. It's at least far enough to be across
2 the river?

3 A The river is fairly wide and it's not right
4 on the riverfront, so --

5 Q Okay. And the Anniston plant was located in
6 Alabama?

7 A Correct.

8 Q And the Delaware River plant was located in
9 Delaware?

10 A No. It was in New Jersey on the Delaware
11 River.

12 Q Okay.

13 Now, would each of these plants make more
14 than one particular chemical?

15 A In general, yes. These plants all would make
16 more than one --

17 Q Okay.

18 A -- chemical.

19 Q Now, we'll talk about these plants in a
20 little bit, but let me go ahead and understand. What
21 other divisions of Monsanto Company were there in the
22 period from 1963 or so to 1970?

23 A I can answer in general terms.

24 Q Fine.

25 A They had the inorganic division which largely

1 made inorganic chemicals.

2 Q Which would be chemicals that don't have
3 carbon in them. Correct?

4 A In general.

5 Q Okay.

6 A Not specifically. But in general they were
7 based on phosphorus and phosphate products; inorganic
8 phosphates. They had a textile operation.

9 Q Were there specific plants that were
10 generally inorganic chemical plants?

11 A Well, it varied. And I'm not sure of the
12 dates. Sometimes they're arranged geographically, in
13 which case the organic division would have certain
14 products that were made in different plants. Sometimes
15 they're arranged by division, and division had certain
16 responsibilities, and guest operations. So it's very
17 complicated. You would have to go back and chart it
18 year by year. And I don't have that in my head.

19 Q Okay.

20 Well, let me go on. You mentioned there was
21 a phosphate division?

22 A Well, I said inorganic division that made
23 principally phosphorus and phosphate --

24 Q Okay. That was one and the same?

25 A Exactly.

1 Q Okay. What other divisions were there?

2 A There was a plastics division and there was a

3 textiles division or company.

4 Q Okay. Any other divisions that you can think

5 of?

6 A Well, they had packaging, bottles and plastic

7 materials.

8 Q Okay.

9 A Blown ware. I don't know whether they called

10 it Fabricated Products at that time or not, but at one

11 time it had the title Fabricated Products. They had an

12 international division. If I think of more, I'll come

13 back to it.

14 Q That's fine.

15 A These were around '70.

16 Q Now, you indicated that there were changes

17 over time. If we go from 1970 to 1976, for example,

18 were there changes in that period from what you've

19 described as existing up to 1970?

20 A Yes. As far as I was concerned, the organic

21 and the inorganic divisions were combined.

22 Q Sometime in the early Seventies?

23 A In the early Seventies. And they called that

24 the Monsanto Industrial Company.

25 Q Monsanto Industrial Company?

1 A Industrial Company, right.

2 Q Okay. What happened to the other various
3 divisions in that reorganization?

4 A I'm not familiar enough to give you the right
5 information.

6 Q Okay.

7 When you left the company in 1985 was it
8 still organized in the same way it was reorganized in
9 the early 1970s?

10 A The answer is, I was -- as far as I was
11 concerned, I was back in the Monsanto Industrial
12 Company, having been in the Monsanto Chemical
13 Intermediates Company in the intervening years.

14 Q Okay.

15 A So there was an organizational change, but I
16 was back with the industrial company.

17 Q What was the difference between the
18 organization up to 1970 that had the organics division
19 and the inorganics division and the post-1970
20 combination of the organic and inorganic divisions into
21 the industrial company?

22 A Would you repeat that, please?

23 Q Yes. What was the change that took place
24 between the way the company was structured from having
25 the organic division and the inorganic divisions as they

1 existed before 1970 and the combined divisions in the
2 industrial company as they existed after about 1970?

3 A Well, one change is that their product groups
4 might be slightly different. Paper group was assigned
5 to the plastics division, for example. Again, the
6 specifics, I would have to go back to an organization
7 chart and go through in detail. But that would be
8 typical. In general, they had the same products, but
9 there were certain products which had been assigned to
10 probably another division. Or another company at that
11 time.

12 Q Okay. Did this basically change the
13 reporting lines and things like that more than the
14 actual individual work assignments of a person like
15 yourself when reorganizations took place?

16 A It depended. If you had the same product
17 group and the same business, the organizational changes
18 were minimal. If you were reassigned to a different
19 product group, of course, then the reporting
20 relationships would change.

21 Q When the organic division and the inorganic
22 divisions were combined and assigned to the industrial
23 company, did the Queeny plant, the Krummrich plant, the
24 Anniston plant and the Delaware River plant stay with
25 that same group?

1 A The majority did. There might be a minor
2 exception. But the majority went with the industrial
3 company.

4 Q Do you know what the exception would be, if
5 there were one?

6 A I don't know for sure whether Camden or
7 Delaware River -- I think they stayed with the
8 industrial company, but --

9 Q You mentioned at some point in time between
10 the 1970s and when you retired you apparently were away
11 from the industrial company and then came back to it.
12 Is that correct?

13 A That is correct.

14 Q What reorganization or restructuring caused
15 that to happen?

16 A The larger volume products which were made in
17 plastics division, textiles division and the industrial
18 division -- and I'm talking about the chemicals, not the
19 end products, but the intermediates that provided the
20 end products, if were reasonably large volume or mainly
21 process intermediate oriented, were put into the
22 Monsanto Chemical Intermediates Company.

23 Q About when did that happen?

24 A Roughly about '79, early '79. Maybe late
25 '78, something like that.

1 Q And you were then assigned from the
2 industrial company to the intermediates company?

3 A That's correct.

4 Q And what products were you working with in
5 '78 and '79 that were large volume that resulted in that
6 reassignment?

7 A I had the responsibility for process
8 chemicals for the industrial company prior to the
9 reorganization.

10 Q And can you explain what you mean by that?

11 A Process chemicals meant the larger volume
12 things that the organic division had previously made.
13 Maleic anhydride was one product, chlorobenzene family,
14 nitro-chlorobenzene family, sulfuric acid and caustic.

15 Q Any others?

16 A I was trying to think. I think that's --
17 those are the main -- they were the main products that
18 we had, worked on.

19 Q What brought you from the intermediates back
20 to the industrial?

21 A The intermediates company was disbanded.

22 Q Okay.

23 Prior to about 1963, because that's when we
24 started talking about the various divisions, were there
25 other organizational forms that existed before '63 that

1 we haven't discussed?

2 A I'm sure there were. I was --

3 Q Do you recall --

4 A I was mainly involved in the central research
5 department and the research and engineering division,
6 and really didn't play much of a part in the total
7 organization at that time.

8 Q Okay.

9 Let me go back, then, and let's talk about
10 the assignment that you had to the St. Louis research
11 center in 1961. I take it this was the research center
12 at the headquarters of all of Monsanto. Correct?

13 A Yes.

14 Q And was it their largest research facility?

15 A It was one of the largest. I don't know
16 whether absolute -- we had pretty large facilities in
17 research triangle, in Pensacola, we had a large research
18 facility at Springfield. So it was probably the
19 largest, but there were other research installations
20 which were close.

21 Q How was the work divided between the various
22 research facilities?

23 A How was it divided? Most of the plastics
24 were done still at Springfield, with a small group at
25 St. Louis. Most of the organic research was done either

1 on South Second Street or at the research center. Most
2 of the inorganic research was done at the St. Louis
3 facility. So there was no simple assignment.

4 The ag company, agricultural company, I left
5 that out before. The agricultural company had a large
6 facility at the research center. Textiles, in general,
7 was done at Pensacola and also at the research triangle.
8 Some at Decatur, Alabama, too. It's not an easy
9 pattern.

10 Q Okay. Something like the corporate
11 organization, a little bit hard to understand?

12 A Well, you had to stay with it and you had to
13 know specifically the time interval that was involved.

14 Q Did your assignment to the central research
15 facility at St. Louis in 1961 involve specific products
16 or specific chemicals that you were going to be working
17 with?

18 A I had the assignment to see if we could make
19 new materials for the building construction industry.

20 Q Can you give me some examples of the types of
21 things you were working on?

22 A Yes. We called part of the main part of the
23 program, we called it heavy duty plastics or engineering
24 plastic materials.

25 Q What sort of things were those being targeted

1 for in use?

2 A We wanted high modulus materials with good
3 impact and fire resistance that would compete in the
4 fabricated area for -- with other materials.

5 Q Can you give me an example of an end use of
6 something like that? That's what I was trying to get
7 at.

8 A Yes. One of the products which came out of
9 this was Vydyne. And it was a Vydyne composite
10 material. We were aiming at things like bathtubs and
11 automobile parts.

12 Q Okay. How long did you have that specific
13 assignment at the central research facility?

14 A Roughly two years.

15 Q And what was your next assignment?

16 A I went to the organic division in '63.

17 Q Okay. Were you still in the central research
18 facility when you were with the organic division?

19 A No. I initially went to South Second Street,
20 where the organic division research was done at that
21 time. And later we moved -- we moved some of the people
22 back to the research center and continued operations at
23 South Second Street as well.

24 Q But then organic research was done under the
25 auspices of the organic division as opposed to under the

1 auspices of the central research. Is that correct?

2 A That is correct. Or the central research was
3 sometimes called the research and engineering division.

4 Q What -- I guess maybe that then -- it was its
5 own separate division?

6 A Research and engineering division was, yes.
7 Without any manufacturing facilities, though.

8 Q Was it a staff-type function?

9 A It was more a staff, because it lacked
10 manufacturing facilities.

11 Q Were there other divisions or companies or
12 groups that also were basically staff function groups as-
13 opposed to manufacturing groups?

14 A A whole -- yes, sure.

15 Q Can you tell me about those other aspects of
16 the company that you recall which had staff-type
17 functions and were not a part of any particular
18 division?

19 A Finance and accounting; medical;
20 distribution. At least, distribution had it both ways a
21 small staff and each division, of course, had its own --
22 or each company its own distribution as well. The same
23 thing is true of accounting. Law department. Patent
24 department was often split, had both attorneys assigned
25 to central and to the divisions. Engineering

1 continually changed. Sometimes it was division
2 entirely, sometimes it was central with people assigned
3 to the division problems.

4 Q When these staff -- what are they, divisions
5 or --

6 A They call them -- earlier divisions; they
7 called them companies later.

8 Q What's the difference between a division and
9 a company?

10 A I suppose that the division was in the
11 earlier days of Monsanto when they were smaller entities
12 in terms of people and dollars. And later on they began
13 to call them companies.

14 Q Was there any difference that you could tell
15 other than the size of them?

16 A I can't answer that question.

17 Q Well, what I was asking was whether you were
18 able to tell any difference other than the size.

19 A I think that was the principal difference.

20 Q Okay. Now --

21 A There may have been some legal reasons, I
22 don't know.

23 Q Okay.

24 In terms of these divisions or companies, for
25 example, the organic and when it combined with the

1 inorganic to create the industrial, they had a reporting
2 chain that went on up to the top person at Monsanto. Is
3 that correct?

4 A That's correct.

5 Q Did -- Was there also a reporting chain for
6 these various staff groups that went on up to the top
7 person at Monsanto?

8 A Sure. They eventually reported to the
9 president.

10 Q Okay. And the question I guess I have is
11 whether they reported directly to the president of the
12 company instead of reporting to somebody in one of these-
13 particular divisions. For example, the law department
14 didn't report to anybody in the organic division, did
15 they?

16 A They might have had a dotted-line
17 responsibility. But I'm sure that the direct formal
18 would be straight up -- would be straight up the line.

19 Q When we talk about a dotted-line
20 responsibility, we're saying they would help out these
21 people in these other divisions when they needed help?

22 A Right. And they were partly responsible for
23 that organization, making sure they, you know, did the
24 right function and could help them.

25 Q Let me just see if I can understand it. For

1 example, if we have this finance and accounting
2 division, that's basically a staff function. There may
3 be some individuals in there who specifically are there
4 to assist the organic division, there may be some other
5 people who are specifically there to assist the plastic
6 division and so on and so forth. Is that what you are
7 suggesting?

8 A I would rather not say anything about the
9 financial division. I was never really connected with
10 it. So my knowledge -- detailed knowledge is not there.

11 Q Okay. Were there any of these divisions that
12 performed staff functions that you ever worked with?

13 A Would you repeat the question, please?

14 Q Yes. Were there any of these divisions that
15 performed staff functions, like finance and accounting,
16 medical, distribution, law, patent, engineering, central
17 research, that you worked with --

18 A I'm not sure that they were called divisions.

19 Q Okay. Well, what would the proper title be?

20 A I don't know. But not divisions. Maybe just
21 a staff -- maybe just was called accounting or finance.

22 Q Okay.

23 A But they were not normally given the title of
24 division.

25 Q Okay. Well, let me rephrase it. I don't

1 want to try to make it into something it's not, but were
2 there any of these staff groups -- is that a good word
3 to use?

4 A I think that's a good word.

5 Q Are there any of these staff groups, like
6 finance and accounting, medical, distribution, law,
7 patent, engineering and central research, that you
8 worked with after you were assigned to a specific
9 division, for example, the organic division?

10 A The answer is yes.

11 Q Which one did you -- or ones did you have
12 direct dealings with?

13 A Patents, medical, sometimes legal, rarely
14 accounting, but maybe once in a while.

15 Q And from that --

16 A Engineering. You left that out, but --

17 Q I thought I had listed engineering. I
18 apologize.

19 A Well, in your last, I think, question --

20 Q Okay.

21 A But certainly we had a lot of interaction
22 with the engineering staff or --

23 Q Now my question is whether or not you were
24 able to determine from those groups that you did
25 interact with whether there were specific people in

1 those groups that were assigned to assist, for example,
2 the organic division that you were working in and others
3 who were assigned to different divisions within that
4 staff group.

5 A Depended on the size of the staff group and
6 the situation involved. Sometimes they were targeted,
7 we'll say, to work with a particular division, sometimes
8 they were targeted to work for a particular product. I
9 think they had some flexibility within that -- their own
10 organizations, depending upon the work loads, importance
11 of it.

12 Q And the difference between being targeted for-
13 a division or a product is that sometimes products
14 switch divisions in reorganizations?

15 A Sometimes they did. Sometimes the work load
16 would change and assignments would be remade,
17 reassigned.

18 Q Let me go back now to your assignment to the
19 organic division in 1963 and working on organic research
20 at South Second Street. What sort of function did you
21 have when you were assigned to the organic division in
22 1963?

23 A I was assigned as the manager of fluids,
24 functional fluids.

25 Q Functional fluids?

1 A Right.

2 Q What are functional fluids?

3 A These were liquid materials, or fluids, which
4 provided an application which did a particular function.
5 For example, it might be dielectric fluids or it might
6 be hydraulic fluids or it might be heat transfer fluids.
7 These were typical application areas. It might be
8 lubricants. High temperature jet lubricants was one of
9 the areas.

10 Q Was there any common bond between all of
11 these different functional fluids? Or was each one
12 independent from the other?

13 A Common bond was that they were -- were fluids
14 and had -- usually had mechanical or electrical
15 applications closely connected with them.

16 Q Was there any chemical similarity between the
17 materials that created these various functional fluids
18 or were there all sorts of different chemicals that
19 could create these functional fluids?

20 A We had a variety of families of products that
21 we -- that we used. Some were in more than one
22 application, others were not.

23 Q Can you give me the families of chemicals
24 that you were involved with as the manager of functional
25 fluids?

1 A Yes. Polyphenyl ethers and polyphenyl
2 thioethers were involved in high temperature jet
3 lubricants.

4 Phosphate esters were involved in fire
5 resistant hydraulic fluids for the aviation industry.

6 Chlorinated biphenyls were involved in the
7 electrical industry. They were involved with fire
8 resistant hydraulic fluids. I say electrical industry.
9 I think I ought to split it down into capacitors and
10 transformers.

11 Chlorinated biphenyls were involved initially
12 in heat transfer applications, fire resistant heat
13 transfer products. It had various hydrocarbons that
14 were also heat transfer. HB 40 hydrogenated terphenyls
15 were involved with heat transfer.

16 Those were the principal that we worked with
17 at the time. We did a lot of new synthetic work on
18 phosphinates and phosphonates seeking fire resistant
19 products. Worked on brominated derivatives.

20 Q With regard to the different families you've
21 given me, and I think you've given me about four
22 families here that I've noted, which one was the
23 largest -- made up the largest portion of the actual
24 product of the functional fluid portion of the organic
25 division?

1 A I think in terms of volume I think
2 chlorinated biphenyls were the largest volume. In terms
3 of dollars, I'm not sure about that. We also worked
4 with esters; not just phosphate esters, we worked with
5 carboxylic esters as well.

6 Q What's the difference between when you say
7 the largest volume but you're not sure about the
8 dollars? What do you mean by that?

9 A Well, the price of the chlorinated biphenyls
10 was lower than the price of most of the other products.
11 The polyphenyl ethers were extremely difficult to make-
12 and volume was low, but the dollar volume was reasonably-
13 high.

14 Q Is there any relationship between the dollar
15 volume of what you sell and whether it's good or bad for
16 the company?

17 A No. I think that probably the importance of
18 the application was -- was paramount. The polyphenyl
19 ethers, for example, were used in particular aircraft,
20 military aircraft. So it would depend a lot on the
21 application.

22 Q I guess my question -- I didn't phrase it
23 very well -- was: Simply because a particular product
24 line had more gross dollar sales would not necessarily
25 mean it's the most profitable line for the company,

1 would it?

2 A No, it would not. But also it might not be
3 the most important product either.

4 Q Right. I mean if you had -- if you produced,
5 hypothetically, ten pounds of some particular material
6 that you sold for a million dollars a pound --

7 A Or that was important to the United States.

8 Q Certainly. All of those factors have to be
9 taken into account in evaluating the chemical. Correct?

10 A That's correct.

11 Q Do you know, of these various families, which
12 group was -- had the largest margin of profit in it?

13 A I don't recall.

14 Q Was that something that you were privy to at
15 any point in time?

16 A It really was not my -- I didn't set prices
17 and didn't have much to do with the pricing or with the
18 accounting part of it.

19 Q I understand. I'm just asking whether you
20 knew at some point in time.

21 A I'd say the profit margin probably was
22 highest in polyphenyl ethers, but the volume was small.
23 We also dealt with silicate esters. That's another
24 family of products.

25 Q Do you think the highest profit margin was in

1 this stuff that you sold to the Government or the Air
2 Force or whatever the --

3 A I'm not certain.

4 Q Okay. What was the next highest profit
5 margin, as you recall?

6 A I think that you should seek somebody in a
7 better position to remember what these profit margins
8 were. They obviously changed with time. And it was not
9 my responsibility.

10 Q Well, let me ask, then, just if you can
11 direct me that way. And again you get the privilege of
12 being the first Monsanto employee or former employee
13 I've deposed. What way should I go to try to find
14 somebody? Who would be more privy to that than you?

15 A Well, I would think the business manager,
16 business director. He was responsible for the profits
17 of that kind of organization.

18 Q And who was that?

19 A A man named Riney Wobus was the first that I
20 worked for.

21 Q Who else?

22 A Joe Cresce.

23 Q Who else?

24 A Ascosta San Anagnostopolis.

25 Q Can you spell that?

1 A A n a g n o s t o p o l i s. That's close,
2 anyway.

3 Q Okay. Who else?

4 A Howard Bergen.

5 Q Who else?

6 A That's about it.

7 Q Okay. And these people were the business
8 people responsible for functional fluids?

9 A Yes. Up through '76 or so. '74, 5,
10 somewhere, I bowed out.

11 Q Okay.

12 Now let me ask what sort of work the research-
13 group was doing with regard to functional fluids in the
14 1960s.

15 A We did synthesis work on base stocks and
16 additives; and we did application work to determine
17 the -- at least the initial functions of the -- of the
18 product for the intended use; and then we possibly
19 worked with the technical staffs of the engineering
20 staffs of the intended market user.

21 Q Let me go back and try to understand each one
22 of those things you've told me. First, when you talk
23 about synthesis work on base stocks and the like, what
24 are you talking about there, if you can give me an
25 example to explain that?

1 A Well, we were always trying to make better
2 fire resistant fluids, we'll say, for hydraulics. And
3 we were trying to increase the temperature range of,
4 say, the aircraft hydraulic fluids and maintain
5 properties. So we looked at a lot of phosphorus
6 chemistry other than phosphate esters, phosphinates and
7 phosphonates, and we would synthesis compounds, run
8 their physical properties, run initial screening tests
9 on corrosion and oxidation, and then scale up maybe in a
10 pilot plan if it looked feasible, and then run things
11 like pump tests or lubricant tests or bearing tests or
12 whatever the application was, fire tests.

13 Q And did this primarily involve extensions of
14 existing products to try to improve existing products?

15 A Sometimes yes, sometimes no. One of the
16 projects we worked very hard on were tractant fluids.
17 These were for new kinds of transmissions for
18 automobiles, where you want high -- eliminate gears and
19 have rolling elements only. So you've got -- when
20 shifting, you've got a continual smooth shift. We
21 worked on bi -- dielectric machining fluids for General
22 Motors.

23 Q Explain what you mean by application work.

24 A Well, for the intended use. If we were
25 trying to make a hydraulic fluid for fire -- fire

1 resistant hydraulic fluid for aircraft, we would have
2 machines that would simulate part of the aircraft
3 functions -- part of the aircraft functions. Then we
4 would take our information and go to Boeing or to
5 Douglas or whatever and find out whether they were
6 interested and talk with them. And if they were
7 interested, they would begin testing in a more
8 sophisticated manner.

9 Q Would this involve attempting to find a way
10 to have a product that Monsanto might have be applied in
11 a way it had not previously been applied and therefore
12 make it marketable to potential customers?

13 A Yes. If that were possible. Sometimes that
14 was not possible.

15 VIDEO OPERATOR: We're off the record.

16 [Recess]

17 VIDEO OPERATOR: We're now back on the
18 record.

19 MR. LACEY:

20 Q Are there occasions where Monsanto would be
21 producing a particular chemical and they would have more
22 of it produced, because of production requirements or
23 the way the process operated, than they were able to
24 sell?

25 A Would you repeat that, please?

1 Q Yes. Were there occasions where Monsanto
2 would be producing a particular chemical and because of
3 the way the process was structured or other factors you
4 couldn't reduce the production to the level of sales
5 and you would have to try to find new applications in
6 order to be able to sell the entirety of the production?

7 A In general, no.

8 Q Okay.

9 A You could always cut production.

10 Q Were there occasions where plant capacity was
11 such that the requirements for current sales did not
12 involve operating at or near plant capacity?

13 A Yes.

14 Q And in those cases would Monsanto attempt to,
15 if possible, find a way to increase the market so the
16 plant could be run more nearly at capacity?

17 A The fluids business, it's not that easy. You
18 have to have -- your customers and your applications and
19 so forth are pretty well set, so you just can't go out
20 and move more -- more fluid to somebody easily. It's
21 not like selling methanol, where you can sell it around
22 the world, cut the price and move it. It's not -- the
23 fluids business is much more of a specialty operation,
24 not geared to all-out production.

25 Q Well, I guess my question was: If I put a

1 new plant on line that made a particular component of
 2 fluid, and with my current demand I was only operating
 3 at 60 percent of that capacity, would it be a charge
 4 that might be laid to your group to try to find new
 5 applications for that remaining capacity, to develop the
 6 markets?

7 A That was not the normal kind of assignment
 8 nor a specific assignment. We geared production to what
 9 we thought we could sell. We had excess capacity and
 10 that was normal. And there was no way to quickly turn
 11 anything like that around.

12 Q Well, what sort of application work, then,
 13 would you do that would develop markets, if any?

14 A Well, I mentioned the new applications.
 15 For example, we tried to go after -- we were in the high
 16 temperature jet lubricant business, and mainly in
 17 government particular operations we tried to expand the
 18 jet engine lubricant business to the commercial
 19 airlines. And we did a lot of work in formulations,
 20 testing, working with Pratt & Whitney and GE. So we
 21 would try to expand our lubricant business. We used
 22 different chemistry. But we were knowledgeable of the
 23 area and therefore we wanted to expand the market. Our
 24 market.

25 Q With regard to the function of working with

1 the technical staff of market users, I believe you
2 indicated that was one of the things that was part of
3 what your group did.

4 A Yes.

5 Q Can you explain to me generally what's
6 involved there?

7 A Well, a user has control over the product
8 line, product -- particular product. If it's used in --
9 we'll say in dielectrics, the capacitor manufacturer
10 really calls the shots. If it's a transformer, the same
11 thing is true. General Electric and Westinghouse are
12 calling the applications shots as far as they were
13 concerned, and we were just supplying a component part.
14 So their engineering staffs and their management,
15 they're the key to whether a product is used or not and
16 in what volume.

17 The same thing is true in aviation. It
18 doesn't matter whether it's a jet engine lubricant or --
19 the end user is in control. You have to meet his
20 specifications, you have to meet his requirements. The
21 same thing is true in fire resistant hydraulic fluids.
22 The aircraft manufacturer specifies what he wants. All
23 you do is the preliminary testing, enough to get him
24 interested, but he has to qualify the fluid, he has to
25 test for flight -- flight test it. This is true, I

1 think, of almost all the applications. The end user,
2 the pump manufacturer, whatever, has got control.

3 Q What my question is, though, is: What work
4 did you do with the technical staff of your customers?

5 A Well, we would present our information to
6 their engineering staff and they would tell us, "Yes,
7 we're interested and we'll go forward," or "No, the test
8 results don't look good enough, and go back and if you
9 want to continue the operation you have to convince us
10 of some other property or improve some property or
11 improve some function or add some function or subtract
12 some function."

13 Q Do I understand from what you're telling me,
14 then, in the specialty fluids business, it takes more
15 than just a salesman making a sales call to make the
16 sale, it takes technical people like you assisting to
17 make the sale?

18 A In a preliminary sense, yes. No question
19 about it. Then the sale comes later. You may be
20 working two, three, five years ahead of the actual sale.
21 Maybe even more.

22 Q And after you have made a sale, and by that I
23 mean let's take an aircraft manufacturer, for example,
24 who you go and explain the potential fluid that you have
25 for his use and after having heard your presentation he

1 says yes, he's interested, and his technical people look
 2 at it and do their testing and you actually start
 3 supplying that fluid, is there still a continuing role
 4 for technical people with regard to that customer and
 5 that fluid?

6 A Yes. Try to stay in contact to see whether
 7 the actual results were -- were consistent with the test
 8 results. So you're trying to monitor at least --
 9 sometimes you can monitor, sometimes you can't. But you
 10 try to listen to his staff and find out whether --
 11 whether there are problems with the product or not.

12 Q And if there are problems, do you try to
 13 rectify any problems that are partly caused at your end?

14 A If we're involved and we think we can do it
 15 or if we're involved directly we would try to correct it
 16 or change it if we had to.

17 Q To what extent did that job of working with
 18 the technical staff of market users get you and your
 19 people -- let me stop right there. You had people
 20 working under you?

21 A Yes.

22 Q Tell me generally about the number of people
 23 who -- and I'll say technical people. I don't mean
 24 secretaries, necessarily, but people who had science
 25 degrees who worked for you in this period from 1963 to

1 1970.

2 A The order of 20 people.

3 Q Technical people?

4 A Technical people.

5 Q And were those people broken down by specific
6 assignments to particular things, like certain people
7 assigned to the chlorinated biphenyls, others assigned
8 to the phosphate esters and the like?

9 A Usually we had a combination of synthesis
10 people, synthesis capability tied in with an application
11 person or persons so that -- and sometimes in the
12 intermediate there would be a formulation person. So we
13 had synthesis, formulation, applications. And they
14 would be geared to specific uses.

15 Q Would any of those people or yourself ever go
16 to a customer's facility in connection with working with
17 the technical staff of the customer?

18 A Yes. They would go.

19 Q Which people? Would it be the application
20 people who would be basically going to a customer's
21 facility?

22 A Yes, more frequently. But sometimes the
23 synthesis person would also go, too.

24 Q And would you personally ever go to a
25 customer's facility?

1 A Occasionally. I think I went less than my
2 people, but I occasionally would go.

3 Q Okay. And when you or your people would be
4 going to a customer's facility, would they normally be
5 going to a laboratory or would they be going to a
6 manufacturing plant where the chemical was used or what
7 sort of place would they typically be going to?

8 A I think most of the people that we dealt with
9 had a laboratory in connection with a manufacturing
10 facility. But oftentimes we would talk with the
11 engineering or engineering staffs. And that might just
12 be a conference; we might not get out into the
13 manufacturing facility; we might not get out into the
14 laboratory.

15 Q That depended on the particular
16 circumstances?

17 A Depended on the customer and what he wanted
18 to do.

19 Q Did you have specific people who had some
20 supervisory responsibility over other people on this
21 20-person staff who worked under you? Or was everybody
22 reporting directly to you?

23 A No, we had group leaders.

24 Q Who were your group leaders?

25 A Varied with time, of course. We had group

1 leaders and in some cases we had scientists and we
2 had -- in one case we had a senior scientist and
3 distinguished scientist. The group leaders in the
4 Seventies were John Herber, Lou Stark, Dave Miller. The
5 scientists, Clint Thompson and Dr. Munch, Dr. Thompson.
6 These are all doctors.

7 Q Ph.D.s?

8 A Ph.D. doctors, right.

9 Q How were the groups that these group leaders
10 were over broken down?

11 A Well, Stark in general had fire resistant
12 hydraulics, industrial.

13 Dave Miller had heat transfer and aviation
14 hydraulics. These are not absolutes, but in general.

15 Herber normally had synthesis work, a lot in
16 the hydraulic area.

17 Thompson had, oh, new chemicals, new stuff
18 that we wanted to do. Aromatics in general. He also
19 had tractant fluids when we brought him from central
20 research.

21 Q Who had dielectrics?

22 A Munch had application work on dielectrics.

23 Q So there wasn't a group leader for
24 dielectrics?

25 A Well, he was acting as group leader, but he

1 really was a distinguished -- was a scientist, senior
2 scientist and I think ultimately a distinguished
3 scientist.

4 Q Is that a title of a position within
5 Monsanto?

6 A In Monsanto there are two ladders you can go
7 up in the research area: You can go up an
8 administrative ladder, so-called, where you become a
9 research chemist and group leader, maybe a section
10 leader, if they have such a thing, research manager,
11 research director, and so forth.

12 Q That's the ladder you took?

13 A That's the ladder I took. The other was
14 scientist -- research chemist first, of course, and then
15 science, science fellow, and there were two or three
16 grades of science fellows.

17 Q And I take it you are indicating to me Dr.
18 Munch reached one of the higher grades in his line.

19 A Yes, in the science area. But they could
20 function in terms of what they did in terms of they did
21 application work and science work. They didn't
22 necessarily do all exploratory work, in other words.

23 Q And did you reach relatively high up the
24 ladder choice that you took?

25 A Relatively high. I normally had a boss who

1 was either -- if I was a research manager, I had a
2 research director as boss. If I were a director, I
3 normally had a general manager of research.

4 Q Who was a Ph.D. scientist?

5 A Yeah. Ph.D. engineer or chemist, yes.
6 Usually.

7 Q Who worked with Dr. Munch on dielectrics and
8 applications for them?

9 A Well, Munch had two technicians that were
10 working for him.

11 Q And who were they?

12 A Ray Cox was one and he had a woman I don't
13 remember her name right now.

14 Q Were they also scientists?

15 A No, they were technicians. They would have
16 maybe a year or two degree, something like that, or
17 maybe 10 or 15 years of practical laboratory work.

18 Q So in the dielectric area specifically, Dr.
19 Munch was the only degreed scientist assigned to that
20 area?

21 A That's correct. He also had, of course, and
22 could call on -- when he needed, he could call on
23 synthesis people, he could call on analytical people, he
24 could call on engineers if he needed.

25 Q Now, was Dr. Munch in your specific group of

1 functional fluids when you came there in 1963?

2 A No, he was not.

3 Q Was there anybody fulfilling his role that he
4 later took when you came there in 1963?

5 A There were no -- we did no specific
6 application work in dielectrics in 1963. We did work
7 with manufacturing on things like quality control and
8 TSD groups, manufacturing groups did work on the process
9 itself. But we did no application work when I first
10 came there.

11 Q When did Dr. Munch get assigned to your group
12 and start working with dielectrics?

13 A I don't have the exact date. We would have
14 to look it up for you.

15 Q Can you give me an approximation?

16 A I don't have the date.

17 Q Okay. Well, that's fine. Was it by 1970
18 that he was there?

19 A Yes.

20 Q Now, in the reorganization that took place in
21 about 1970, did that change your role as the manager of
22 functional fluids over these various things that you've
23 previously told me about?

24 A I had additional duties. I had the paper
25 group, paper chemicals group, as well as the functional

1 fluids group.

2 Q But you kept all the functional fluids.

3 Correct?

4 A Yes. And there were some added
5 responsibilities. We -- they added encapsulin solvents
6 to my -- I don't know the exact date, but it was in the
7 early Seventies.

8 Q What were those?

9 A This is carbonless carbon paper.

10 Q It's where you press down hard and you get
11 three copies?

12 A Right. We provided the solvent for that
13 system, which was originally Aroclor. It was originally
14 assigned to the plasticizer group, then it was
15 reassigned to the fluids group.

16 Q Was there any reason for that reassignment
17 that makes sense?

18 A Yes. We wanted to -- we did not want to
19 furnish Aroclor anymore to that use, and we wanted to
20 reach a replacement product as quickly as possible.

21 Q And therefore it was assigned to your group?

22 A That's right.

23 Q Anything else that was added that you can
24 recall in that reorganization?

25 A Those are the principal changes.

1 Q Okay.

2 And after that 1970 or thereabouts
3 reorganization, how long did you remain over this
4 functional fluids plus paper group --

5 A Excuse me, but I think it was actually done
6 before the reorganization, not coincidental.

7 Q Okay.

8 A At least as far as the encapsulin solvents.

9 Q Okay.

10 Well, after these additional duties were
11 added, however they came about --

12 A Yeah.

13 Q -- how long did you remain as the manager of
14 the functional fluid group that you first picked up in
15 1963?

16 A Approximately '74, I think. Sometime in '74.
17 The exact date I don't remember.

18 Q And from the time that Dr. Munch joined your
19 group, whenever that may have been, until the time that
20 you ceased to have those responsibilities, was Dr. Munch
21 always with your group?

22 A Yes.

23 Q And was he always the person responsible for
24 the dielectrics?

25 A Well, research responsibility I think needs

1 to be qualified. He was responsible for the research
2 application work in an administrative sense, reporting
3 to me, but we did -- neither one of us had sole
4 responsible for dielectric fluids as far as Monsanto
5 goes.

6 Q But within your group --

7 A Within the research department, yes.

8 Q Okay.

9 Now, who replaced you in 1974?

10 A Well, the exact date I'm not sure of, but
11 Marvin Gibbs.

12 Q And did Dr. Munch stay with that group at
13 least when Marvin Gibbs first replaced you?

14 A I think so. I'm not sure. Munch retired,
15 and I'm not sure of the exact date. But I think he did
16 stay for a while.

17 Q And in 1974 what duty did you get reassigned
18 to?

19 A I was -- I had process chemicals and paper
20 chemicals for a time.

21 Q And was that in any way related to the
22 additional duties that had been assigned to you
23 somewhere around 1970 for the paper groups and the
24 carbonless paper?

25 A Carbonless paper stayed with the fluids

1 group.

2 Q Okay. Did those other functions, any of the
3 paper functions, go with you when you were reassigned?

4 A Well, for a while, for about two years, I had
5 paper and process together.

6 Q Okay. And then --

7 A I think it was still within the MIC
8 organization. And, I don't know, '76 to '77, somewhere
9 along there, the chemical intermediates group was
10 formed.

11 Q And that took the process chemicals and --

12 A Took the process chemicals. And I left paper-
13 chemicals.

14 Q All right. And you never came back to the
15 functional fluid area after 1974 or thereabouts?

16 A When I returned to the industrial company, I
17 had partial responsibility for the fluids group.

18 Q Okay. When was that?

19 A I came back in -- it was reorganized, I
20 think, in '83.

21 Q When you say you had partial responsibility,
22 what do you mean?

23 A I had the application work on fluids. The
24 research department was organized in a different way at
25 that time. There were three research directors.

1 Dr. Hill had exploratory research and certain
2 growth functions.

3 I had process development, new stuff, and
4 applications.

5 And Marvin Gibbs had the manufacturing
6 process research.

7 Q And before all those had been basically
8 combined under you from 1963 to 1974?

9 A All those functions? Just the fluids is all
10 I had in --

11 Q Yes, but --

12 A -- in that time.

13 Q But the exploration as it related to fluids,
14 the applications and the manufacturing process.

15 A Well, there was one addition. I supported
16 research in the central research department on
17 exploratory work on fluids in that '63 to '74 period.

18 Q When you say you supported it --

19 A Yeah.

20 Q -- what do you mean?

21 A Provided budget money.

22 Q But the actual work was primarily done by
23 people in the central labs?

24 A Right.

25 Q Okay. Did they report to you in any way or

1 did the budget money just disappear from your budget?

2 A We kept track of the -- of the products and
3 the process and -- but the administration was in the
4 central research department.

5 Q And this last position that you held, from
6 1983, pertained on up to your retirement?

7 A No. We had another reorganization partway
8 through there, from '83 to '85. The research department
9 was organized or reorganized, and I had specialty
10 products.

11 Q And what were specialty products?

12 A What came back as process chemicals in
13 general, what came back as functional fluids. I had
14 methanol in Texas City, acetic acid in Texas City.

15 Q Is that where you were when you retired?

16 A That's where I was when I retired.

17 Q Okay. What age were you at your retirement?

18 A Sixty-three.

19 Q Was that a normal retirement age for somebody
20 in your --

21 A Well, 65 is the normal. Probably 18 months
22 early.

23 Q Was that part of some special program that
24 Monsanto was having?

25 A It was an incentive-for-retirement program.

1 Q So you got your regular retirement plus
2 something else to retire?

3 A Yes.

4 Q Let me go back now and try to understand a
5 little bit better some of the things that went on in the
6 group that you managed from 1963 forward as relates
7 specifically to the matters that are involved in the
8 lawsuit we're here on. Have you had a chance to visit
9 with Mr. Shoebbotham, Monsanto's lawyer, or other
10 Monsanto lawyers about this lawsuit?

11 A Yes.

12 Q Are you aware of what chemicals are involved
13 at issue in the lawsuit?

14 A I think maybe you should inform me.

15 Q Well, we're talking about what I refer to as
16 PCBs. And other chemicals that may arise out of that.
17 But do you know what I mean when I say PCBs?

18 A Polychlorinated biphenyl? Is that your --

19 Q Yes.

20 A Okay.

21 Q Let me just ask so we can be clear. Is a
22 polychlorinated biphenyl the same thing as a chlorinated
23 biphenyl that you previously referred to?

24 A Chlorinated biphenyl might be the same or it
25 might be monochlorobiphenyl.

1 Q Okay.

2 A We dealt with that product at times.

3 Q Let's see if we can sort some of these things
4 out so we can have some similar terminology. First, in
5 the term "chlorinated biphenyl" what is a phenyl?

6 A Usually refers to a part of a six-membered
7 ring with five hydrogens connected with it. It's not
8 really an entity, it's just a convenient organic
9 component of a molecule.

10 Q Okay. And when we use the term "bi" there
11 are two of them?

12 A Yes. Two joined together in that sense.

13 Q I've seen sometimes the term "diphenyl" as
14 opposed to "biphenyl." Is diphenyl another way of
15 saying the same thing chemically as a biphenyl?

16 A Yeah. I think diphenyl is probably more
17 correct, but both are used.

18 Q So when we talk about a chlorinated biphenyl
19 or a chlorinated diphenyl, we're talking about the same
20 type of general chemical structure?

21 A We are.

22 Q Then, if I understand correctly, you
23 indicated that not all chlorinated biphenyls or
24 chlorinated diphenyls are polychlorinated. Correct?

25 A Correct.

1 Q And when we say poly, we mean more than one?

2 A More than one.

3 Q So that if we had a diphenyl molecule that
4 had only one chlorine atom as a part of the molecule, we
5 would have monochlorobiphenyl?

6 A That would be a convenient way of -- correct
7 way of putting it, I think.

8 Q Is there any other way we should put it
9 besides that, or another way of saying it?

10 A Other than the code designation.

11 Q Okay. Where we give a specific location for
12 that chlorine molecule --

13 A Yeah, that could be. Or product name, for
14 example.

15 Q Okay. What was the Monsanto product name for
16 monochlorobiphenyl?

17 A It was monochlorobiphenyl, but MCS numbers
18 were also used at times.

19 Q Okay.

20 A Monsanto chemical sample. And a numerical
21 designation.

22 Q Was there more than one MCS number for a
23 monochlorobiphenyl?

24 A There could have been. The MCS numbers were
25 used for new chemicals, for new formulations. So they

1 were specific to that particular formulation or that
2 particular batch, that particular product, trial
3 product.

4 Q Now, if we have polychlorinated biphenyl or
5 polychlorinated diphenyl, we're then talking about one
6 of these diphenyl molecules that has more than one
7 chlorine atom attached to it. Is that correct?

8 A Correct.

9 Q We have here a blackboard that has on it some
10 paper. And I'd like, if I could, to get you to draw for
11 us the way scientists draw in a schematic fashion what a
12 biphenyl looks like. Can you do that for us?

13 A I'll attempt to.

14 Q Let me hand you a marker. Is there some
15 color that is appropriately --

16 A Black is fine.

17 Q Black is fine?

18 A There are different ways. [Marking]

19 Q Now, you've drawn -- let me see if I can
20 count. Those are pentagons, I guess, with a circle in
21 the middle.

22 A Hexagons.

23 Q Hexagons. They're six-sided?

24 A Six.

25 Q Okay. And what's represented at each one of

1 those points in that -- in each of those two hexagons?

2 A Usually refers to carbon hydrogen plus
3 another two bonds or valences or electrons, four
4 electrons, that are free to move, free to bond.

5 Q Let me get you to stand over. The video
6 person tells us they can't see with you immediately in
7 front of the drawing. Could I get you to stand to the
8 right of that where they can see what you've drawn for
9 us? Good.

10 In order to increase my understanding, I'm
11 going to hand you, I guess, a red marker here. Could
12 you just put a C at each point where there is a carbon
13 atom in that diphenyl or biphenyl structure?

14 A [Marking]

15 Q So the diphenyl or biphenyl has a total of,
16 looks like, if I count correctly, 12 carbon atoms in the
17 molecule?

18 A Right.

19 Q Now, what in the biphenyl exists in addition
20 to the carbon molecules that we've shown, simply one
21 hydrogen atom attached to each one of those carbons?

22 A Yes, as far as other elements go, yes.

23 Q So if we were looking at the chemical
24 nomenclature for this we would have a C 12 H -- what
25 would that be?

1 A Be 10.

2 Q H 10. And why are there not 12 hydrogens to
3 go with the 12 carbons?

4 A You've got --

5 Q You may want to demonstrate to show me what
6 you are talking about.

7 A Maybe I was wrong. We've got [marking] like
8 so.

9 Q So we do have 10 hydrogens. And at one place
10 where the rings come together there's no hydrogens
11 between those carbons?

12 A Right.

13 Q And that's where they bond together?

14 A They bond together.

15 Q If we had one of those rings by itself, what
16 would that be known as?

17 A Benzene.

18 Q Okay.

19 A But that's not a phenyl group.

20 Q When you put two of them together they become
21 phenyls?

22 A No. A phenyl group does not exist in normal
23 conditions by itself.

24 Q Well, if we have -- let me ask you to draw --
25 why don't you just put up above what you've drawn right

1 there "diphenyl" or "biphenyl," whichever the
2 appropriate nomenclature is.

3 VIDEO OPERATOR: Excuse me. Before we go on,
4 I need to take a short pause.

5 MR. LACEY: Fine.

6 [Recess]

7 VIDEO OPERATOR: Okay. We're ready to go
8 back on.

9 THE WITNESS: [Marking]

10 MR. LACEY:

11 Q Now let me ask you to draw over on the
12 right-hand side of the chart there, up at the top, just -
13 across from the biphenyl or diphenyl, what a benzene
14 molecule looks like.

15 A [Marking] Done this way or this way.

16 [Marking] Not the same molecule, though.

17 Q Well, can you explain the difference to me
18 between those two different benzene molecules that you
19 have drawn?

20 A This is a benzene molecule. That's a
21 biphenyl molecule.

22 Q Oh, I see. There are two different ways of
23 drawing a benzene molecule chemically?

24 A Yeah, two early models of how a structure
25 might look.

1 Q But those are both actually the same
2 chemical?

3 A Yeah. I've just drawn a circle to indicate
4 the electrons are free to move here as a more classical,
5 older method of sharing the aromatic content or
6 structure.

7 Q Could I get you to use the red pen and mark
8 where the carbon molecules are in those benzene
9 molecules?

10 A [Marking]

11 Q Now, let me ask you, if I am going to try to
12 make diphenyl, do I start with benzene and turn it into
13 diphenyl?

14 A That's one way.

15 Q And what I need to do to accomplish that is
16 to get two of the hydrogen molecules that are attached
17 to two adjoining benzene rings to go away and join the
18 two rings together at that point. Is that correct?

19 A Two of the hydrogen atoms.

20 Q Two hydrogen atoms go away?

21 A Right.

22 Q And instead of those two carbons being bonded
23 to hydrogen, they're bonded to each other?

24 A Right.

25 Q And once I've done that I have a diphenyl or

1 biphenyl molecule?

2 A Correct.

3 Q In essence, if I can take benzene and replace
4 two hydrogens with a carbon bond where they were, I have
5 diphenyl. Correct?

6 A That's one way of making it.

7 Q Okay.

8 Now let's talk about a chlorinated diphenyl.
9 What makes a diphenyl a chlorinated diphenyl?

10 A Add chlorine to the aromatic structure.

11 Q And when you talk about an aromatic
12 structure, what do you mean?

13 A I mean you replace the hydrogen with chlorine
14 without adding any more chlorines to that particular
15 bond or leaving the hydrogen out.

16 Q Let me ask this. When you say an aromatic
17 structure, what's an aromatic structure?

18 A Indicated -- that's an aromatic structure
19 with the number of hydrogens and the structure with
20 either the electrons shown here as the three double
21 bonds or this as spread the three double bonds out in
22 that circle.

23 Q So benzene is an aromatic structure?

24 A Benzene is considered an aromatic.

25 Q And diphenyl is also an aromatic structure?

1 A Right.

2 Q And so to make -- Let me ask you to draw, if
3 you could, just below the diphenyl that you have drawn,
4 a monochlorodiphenyl. And I think that there are
5 different types, in terms of molecular number or in
6 terms of number, but just draw any one of them for us so
7 we can see what it looks like.

8 A [Marking] I have to take one hydrogen off
9 here, but the chlorine could be anywhere on one of those
10 rings.

11 Q Let me ask you to just draw one by picking a
12 particular spot for the chlorine, wherever you might
13 choose to put it, so we can see how it would be drawn.

14 A All right. Let's put it here. [Marking]

15 Q Okay.

16 And let me ask you to take the red pen and
17 show where the carbon atoms show up in that molecule
18 that you've drawn.

19 A [Marking]

20 Q And let me hand you a blue pen and ask you to
21 mark where the chlorine atom is in that molecule that
22 you've drawn.

23 A In the numbered position?

24 Q Wherever you choose to put it, yes, numbered
25 position.

1 A All right. Chlorine here?

2 Q If that's where you've put it, that's fine.

3 A I've put it in the pair position.

4 Q Okay. Now, let me just get you to label

5 above what you've drawn there that that's a -- if I'm

6 correct -- a monochlorodiphenyl.

7 A [Marking] This is out of there.

8 Q Do you need to mark that out or something to

9 make it clear?

10 A Uh-huh. [Marking]

11 Q Now, would it be possible for that particular

12 chlorine atom to be replaced in lieu of any one of the

13 hydrogen atoms that had been attached to the diphenyl

14 that was not chlorinated?

15 A Now, would you repeat that, please, Mr.

16 Lacey?

17 Q Yes. Would it be possible, in making a

18 monochlorodiphenyl, to have the single chlorine molecule

19 that replaces a hydrogen atom in any one of the

20 positions that a hydrogen atom was attached to the

21 diphenyl?

22 A It's possible. But you would have to work

23 hard at it. It would go preferentially in probably the

24 2-4 position.

25 Q Can you explain for us how scientists number

1 these different positions? And maybe --

2 A You're beginning to stretch my organic
3 chemistry.

4 Q Well, I certainly don't want to do that,
5 but --

6 A Okay. [Marking]

7 Q Is that last one No. 12?

8 A 12. I guess it would be 12.

9 Q And so the --

10 A These are blocked out, essentially.

11 Q Right. The chlorine -- I'm sorry. The
12 carbon atoms then are numbered by way of their location..
13 And when you start -- when you talk about a particular
14 position, the chlorine atom is likely to go to, you use
15 that number to identify it?

16 A That's one way, sure. Right.

17 Q And that's what you've drawn for us here?

18 A Yes, in the simple biphenyl case.

19 Q And what number position is that that is a
20 likely position for a monochlorodiphenyl?

21 A I've drawn it in the 4 position. The 2
22 position is also possible.

23 Q And in the 2 position it would be in the
24 upper left-hand spot on the right-hand section of the
25 molecule. Is that correct?

1 A Well, it depends on how you number it, but
2 here -- by our numbering here. But it could also be in
3 either one.

4 Q I see. So there would be one, two, three --
5 six likely places for it to be?

6 A Most likely. But you're gonna get a whole --
7 you're gonna get not a hundred percent.

8 Q Fine.

9 A You'll even get some in a 3 position.

10 Q Okay. In an odd position, so to speak?

11 A Yeah, yeah. You have a preference, but you
12 don't have an absolute guiding factor.

13 Q Okay. And if I understand correctly, there
14 would actually be ten different possible
15 monochlorodiphenyl molecules.

16 A No.

17 Q Why is that not true?

18 A Well, you have symmetry.

19 Q In other words, if I flipped it over there
20 are really only --

21 A Yeah. I don't know whether you've got three
22 or whatever it is, but --

23 Q Okay. I've noticed occasionally some people
24 number these things with 1 through 6 and 1 through 6
25 prime.

1 A That's possible.

2 Q If one has a nomenclature like that, would
3 you then have six possible spots where you don't flip it
4 over in terms of looking at its location?

5 A You still, I think, only have a limited
6 number of isomers.

7 Q Okay.

8 A They're all -- they're all symmetry, not
9 really different, even though they look like it on the
10 page.

11 Q Okay.

12 Now, in order to make our monochlorodiphenyl -
13 into a polychlorinated diphenyl, what we would need to
14 do is remove one or more of the remaining hydrogen atoms
15 and replace it with a chlorine atom. Is that correct?

16 A That's correct.

17 Q And can you make a polychlorinated diphenyl
18 that replaces every one of the hydrogen atoms with a
19 chlorine atom?

20 A It's a very difficult job. I wouldn't say
21 it's impossible, but it's very difficult.

22 Q Just like some of the locations for the
23 monochloro with the location of the chlorine atom is not
24 a likely thing to do. Is that correct?

25 A Yeah. And you would get what chemists would

1 normally call steric hindrance. You would get so many
2 chlorines on there another one can't get in.

3 Q Okay.

4 Now, in terms of the theoretical range of
5 possible numbers of chlorine atoms that could be
6 attached to a diphenyl, and their varying locations, how
7 many different theoretical possibilities are there?

8 A I don't remember. But there are a number.

9 Q Okay. And I take it that if one is actually
10 in production chlorinating a diphenyl what you'll
11 actually produce will not be all of the theoretical
12 possibilities in any substantial amounts. Is that
13 correct?

14 A I'd have to remember the number of isomers
15 and so forth, which I don't remember. But it would be a
16 percentage of that, which might be something like maybe
17 50 percent.

18 Q I've seen a number in excess of 200 for the
19 potential different isomers and congeners of chlorinated
20 biphenyl. Does that sound familiar to you?

21 A I don't really remember the exact number.

22 Q Okay.

23 Can you tell us what an isomer is when a
24 chemist uses that term?

25 A It would be the same empirical structure,

1 carbon hydrogen chlorine in this case, but the position
2 of the chlorine and of the hydrogens would be different,
3 would be distinguishable.

4 Q Let me see if I understand what you mean. I
5 want to look at the monochlorodiphenyl molecule that you
6 have drawn for us. On the monochlorodiphenyl molecule
7 that you have drawn for us, you've shown the chlorine
8 atom in the No. 4 position. Correct?

9 A Right.

10 Q If that chlorine atom had instead been in the
11 No. 2 position, would that be an isomer of what you have
12 drawn?

13 A Yes, I would call it an isomer of
14 monochlorobiphenyl.

15 Q How does a scientist give a shorthand
16 rendition of the chemical content of that
17 monochlorodiphenyl molecule you have?

18 A They would probably put 4 chlorobiphenyl.

19 Q And that would indicate the specific location
20 of the chlorine atom on the biphenyl?

21 A Right.

22 Q And if we had moved the chlorine atom to the
23 No. 2 position, would it then be called 2
24 chlorobiphenyl?

25 A Yes.

1 Q And that would be an isomer of 4
2 chlorobiphenyl?

3 A Right.

4 Q What is a congener when a chemist uses that
5 term?

6 A I'm not familiar with that term.

7 Q I see.

8 When I have two chlorine atoms attached to my
9 diphenyl, is that a polychlorinated diphenyl?

10 A By our definition, yes.

11 Q When you say "our definition," is that a
12 Monsanto definition?

13 A You and I have agreed this morning that we
14 would call two poly. Some people might go to three and
15 agree on that, but --

16 Q Well, is there any general agreement within
17 the chemical industry about what constitutes a
18 polychlorinated biphenyl?

19 A I think the general agreement would be more
20 than one.

21 Q Okay. So if we have as many as two
22 chlorines, up to ten, all of those would be
23 polychlorinated biphenyls?

24 A Right.

25 Q Now, let me ask about additional changes that

1 may occur in structures that have some similarity. Are
2 you familiar with dibenzofuran?

3 A Just slightly.

4 Q Could you draw us the structure for a
5 dibenzofuran? In the same structure you've drawn us the
6 structure, for example, for a diphenyl.

7 A [Marking]

8 Q And can I get you to take the red marker and
9 show where the carbon atoms are in that?

10 A [Marking]

11 Q I see there two lines, one coming from each
12 side of the diphenyl going down to a zero. What does
13 that symbolize?

14 A The zero is an oxygen atom.

15 Q Let me get you to use this green marker to
16 color in the oxygen atom for us.

17 A [Marking]

18 Q Now, is it possible with a furan, or actually
19 I guess the term -- what is the term that chemists use
20 dibenzofuran or furan?

21 A This molecule would be dibenzofuran. Furan
22 is another -- another kind of molecule. Another
23 molecule.

24 Q Let me get you to label that dibenzofuran so
25 we'll know what that is.

1 A [Marking]

2 Q The di in that name stands for two?

3 A Yes.

4 Q The benzo, does that stand for the benzene --
5 the two benzene rings?

6 A Yes.

7 Q And what makes it a furan?

8 A Well, furan is a five-ring with an oxygen.
9 So this is the furan part of the molecule here.

10 Q I see.

11 A Furan itself is just that separate without
12 all the other parts.

13 Q In order to make a diphenyl into a
14 dibenzofuran, two of the hydrogen molecules are replaced
15 by a single oxygen. Is that correct?

16 A If you're talking about just the replacement
17 structure, that's possibly correct. If you're talking
18 about the actual mechanism, it may or may not be
19 correct.

20 Q Okay. But in terms of what physically
21 happens looking at the structure --

22 A Looking at the structure, I agree.

23 Q Okay.

24 There are also dibenzodioxins, are there not?

25 A Yes.

1 Q Can you draw us a dibenzodioxin?

2 A [Marking]

3 Q Can I get you to go ahead and use the red
4 marker to mark in the carbon atoms and then the green
5 marker to mark in the oxygen atoms?

6 A [Marking]

7 Q It appears from what you've drawn in order
8 to -- and let me get you to label that, too, while
9 you're up, so we'll know that is a dibenzodioxin that
10 you have drawn there.

11 A [Marking] I'm not sure of the spelling.
12 What is it? i-n?

13 Q I'm not sure I know.

14 A I never dealt with these compounds.

15 Q i-n, I think, but I'm not sure.

16 A i-n? Or a-n?

17 Q If we use i-n, we'll all play like that's the
18 correct spelling.

19 A Okay.

20 VIDEO OPERATOR: Give me a few seconds.

21 [Recess]

22 VIDEO OPERATOR: We're now back on the
23 record.

24 MR. LACEY:

25 Q Looking at the diagram you've drawn of the

1 dibenzodioxin, it differs from the dibenzofuran by
2 having replaced the hydrogen atoms on both sides of the
3 bond between the two benzene rings with oxygen atoms.
4 Is that correct?

5 A By our diagram, yes.

6 Q Okay. And the diagram you've drawn is the
7 diagram that chemists usually draw to demonstrate that
8 molecule, is it not?

9 A Yes. Within my knowledge.

10 Q Now, is it possible to replace the remaining
11 hydrogen atoms on the dibenzofuran molecule with
12 chlorine molecules?

13 A I have no direct experience with this. But
14 from a standpoint of if you want to talk about paper
15 chemistry, it should replace.

16 Q Okay. Have you ever heard of chlorinated
17 dibenzofurans?

18 A I've heard of chlorinated dibenzofurans.

19 Q And have you heard of polychlorinated
20 dibenzofurans?

21 A I have heard of polychlorinated
22 dibenzofurans.

23 Q And you've heard of them as existing in the
24 world of chemicals that are created. Is that correct?

25 A In rare instances.

1 Q I see.

2 Is it possible to -- Well, even before I ask
3 that, in understanding the nomenclature for the
4 dibenzodioxin, the di stands for the two benzene rings.
5 Is that correct?

6 A Yes. You're getting a little bit out of my
7 field, though, because we never did any real work
8 with -- at least in a synthesis area -- with the
9 dibenzofuran or dibenzodioxin.

10 Q I'm just trying to understand the
11 nomenclature right now.

12 A Well, okay. I'm not familiar with all the
13 nomenclature with regard to that.

14 Q I see. So you don't know whether the dibenzo
15 portion of the name refers to the two benzene rings?

16 A Well, I'm sure it does. When you start to
17 number that ring, I'm not sure how that numbering goes.

18 Q I don't intend to start asking you how to
19 number the ring. I was just going to try to establish,
20 if we can, that the dibenzodioxin -- the dibenzo refers
21 to the two benzene rings. Correct?

22 A Yes, that seems correct.

23 Q And the dioxin portion refers to the fact
24 that on either side an oxygen atom has replaced two
25 hydrogens. Is that correct?

1 A That's right.

2 Q And the reason the oxygen can replace two
3 hydrogens is because it has the ability to bond to two
4 different atoms at the same time. Is that correct?

5 A Right.

6 Q The reason hydrogen only bonds in one place
7 is because it can only bond to one other atom at a time?

8 A Correct.

9 Q And the reason chlorine only exists in one
10 place is because it can only bond to one other atom at a
11 time. Is that correct?

12 A Would you repeat that statement? Because it
13 might not be quite clear.

14 Q Yes. Can chlorine bond to more than one
15 other atom at the same time?

16 A Normally not.

17 Q Okay.

18 Now, do you know whether or not the
19 hydrogen -- well, in fact, strike that.

20 There are attached to the dibenzodioxin, in
21 the places that it's not bonded together, hydrogen
22 atoms, are there not?

23 A Yes.

24 Q Would you draw those up there for us, please?

25 A I'm not certain why you're --

1 Q You need to draw them, I think, in black is
2 what we've been using.

3 A In black? All right.

4 Q I have another black marker if that one is
5 giving up the ghost.

6 A [Marking] I assume it would be that way.
7 I'm not familiar with dibenzodioxin chemistry.

8 Q Okay.

9 A That would appear to be correct.

10 Q All right.

11 Do you keep up with the literature in the
12 chemical field?

13 A Since my retirement, only in I'd say a
14 partial way.

15 Q Do you receive any of the monthly
16 publications that are put out in the scientific area?

17 A I read Chemical Engineering News, I read more
18 general stuff, Scientific American, Discovery, magazines
19 such as this.

20 Q In all the reading -- you've done that for
21 several years?

22 A Well, since my retirement.

23 Q You didn't read those before you retired?

24 A Yes, I read them before.

25 Q Did you have your own personal subscription

1 to those?

2 A Yes.

3 Q With all the interest that has come about in
4 recent years about dioxins, do you recall seeing any
5 articles on dioxins in those publications?

6 A I've seen articles on dioxins, mainly
7 analytical. Or photochemistry.

8 Q And you read those?

9 A I let's say maybe read the abstracts.

10 Q Okay.

11 Are you aware of whether or not chlorine
12 atoms can replace any or all of the hydrogen atoms in
13 dibenzodioxin?

14 A The answer is yes. Papers have been out on
15 this subject.

16 Q All right.

17 Now let me shift gears just a little bit and
18 ask about your familiarity with the actual manufacturing
19 processes used by Monsanto to make chlorinated, be it
20 mono or polychlorinated diphenyl. Are you familiar with
21 those processes?

22 A Yes.

23 Q Okay. Was it a responsibility of your group,
24 the functional fluids group, at any time from 1963
25 through 1974 to assist the actual manufacturing

01
1 operations in their work of producing chlorinated
2 diphenyl?

3 A We did not do any synthetic work on the
4 process. The technical service staffs at both Anniston
5 and at Krummrich were involved. But the research
6 department did not do any synthesis work on chlorinated
7 biphenyl as such. Or if we did, it was very limited.

8 Q What do you mean by synthesis work?

9 A Well, where we would be involved in direct
10 chlorination or isomer preparations.

11 Q What sort of assistance did you give to the
12 manufacturing operations?

13 A We would monitor, read the TSD reports, read
14 the manufacturing reports and make sure that we thought
15 the safety rules and the manufacturing operations were
16 sound. But we did not do, you know, hands-on chemical
17 research during that period, or very little.

18 Q What is a TSD report?

19 A Technical service department in the
20 manufacturing. They would be engineers assigned to the
21 plant to improve the process.

22 Q And those people were actually located at the
23 manufacturing plants?

24 A That's normally correct, yes.

25 Q And did they report to the managers of the

1 plant or did they report to the research group in
2 St. Louis or to whom did they report?

3 A In general, in the early days that we're
4 talking about, earlier days, they reported to
5 manufacturing. After that period, later in that period,
6 they did report for a short time to the research
7 department. But in the Eighties.

8 Q But not to your group?

9 A For a short period I had the clean TSD group
10 that was reorganized and put back into manufacturing.

11 Q Let's talk --

12 A I had a small chemical group as part of the
13 manufacturing operation at Krummrich, but it was not
14 called TSD at that time. It was a TSD group. We had a
15 small, chemical-oriented group at Krummrich.

16 Q Let's talk about the plants that produced
17 chlorinated diphenyl or chlorinated biphenyl, whichever
18 name we use. In fact, maybe the easiest thing now is to
19 talk about PCBs and just use that term. Is that
20 agreeable with you?

21 A It's agreeable with me.

22 Q Okay. What plants produced PCBs for
23 Monsanto?

24 A The plant at Anniston, Alabama, and the plant
25 at Sauget, Krummrich, are the two U. S. plants, United

1 States plants.

2 Q I have seen references to a plant in
3 St. Louis and maybe the Queeny plant indicating that at
4 some point in time they had something to do with PCBs.

5 A They ran a blending operation. A blending
6 operation.

7 Q They didn't actually produce the PCBs, they
8 just mixed them together?

9 A They blended them into hydraulic fluids or
10 sometimes we would blend stabilizers into the aroclors.

11 COURT REPORTER: Into the --

12 THE WITNESS: Aroclors. Or we've agreed
13 PCBs.

14 MR. LACEY:

15 Q Well, let me get that cleared up. A word
16 that Monsanto used to describe PCBs as a trade name was
17 Aroclor, was it not?

18 A Yes.

19 Q In fact, could I get you just up there to
20 write the word "Aroclor" on our tablet so we'll know
21 what we're talking about?

22 A [Marking]

23 Q That's A r o c l o r?

24 A Right.

25 Q And that's the same thing as a PCB. Correct?

1 A Yeah, by our definition.

2 Q Okay. Well, is that how Monsanto would use
3 it, too? I mean I don't want --

4 A Well, during all this time I think that
5 Monsanto would use the terms "chlorinated biphenyl" or
6 Aroclor or Pyranol or Inerteen. These were trade names
7 by Westinghouse and GE. PCBs were -- came in much
8 later.

9 Q Okay. Well, maybe I should just get you to
10 write up there for us -- you've already written Aroclor.
11 Write all the other names that Monsanto used to
12 designate products containing PCBs.

13 A Okay. Monsanto used Aroclor, chlorinated
14 biphenyl. [Marking] Now, when we were making other
15 products, these are other people's trade names. I'm not
16 sure I've got the spelling right on that one.
17 Interteen, Pyranol. I think this is a Westinghouse, GE.
18 But there were many others around the world that had
19 different trade names associated with that.

20 Q Okay. So one product --

21 A There were many other manufacturers and trade
22 names around the world that were associated with
23 chlorinated biphenyl. Bayer in Germany, Kanakafuchee
24 (phonetically) in Japan, Italy had manufacture, France
25 had manufacture.

1 Q Am I correct in understanding that the same
2 chemical that Monsanto would call Aroclor you are saying
3 Westinghouse would call Inerteen?

4 A That was their name for it, yes.

5 Q And so if we had a jar of it sitting on my
6 coffee table here today in front of you, it would be
7 called by you as Monsanto Aroclor, and if we had someone
8 from Westinghouse they would call that same thing
9 Inerteen, and someone from GE would call it Pyranol?

10 A They might do that, right.

11 Q Okay.

12 Now, you mentioned that there were other
13 people around the world who manufactured PCBs. Is that
14 correct?

15 A That's right.

16 Q Who else manufactured PCBs in the United
17 States?

18 A Monsanto was the sole manufacturer of
19 polychlorinated biphenyl during the time we're talking
20 about.

21 Q Was there any period of time in the United
22 States when anybody other than Monsanto manufactured
23 PCBs?

24 A I don't know. On a limited basis there might
25 have been others.

1 Q Are you aware of anyone?

2 A I think standard chlorine might have at one
3 time. I'm not sure about that. I think Dow probably
4 made some inadvertently possibly.

5 Q But not to sell?

6 A I can't -- I can't operate that -- I can't
7 tell you that operation. But not to sell very much of
8 it, anyway.

9 Q Okay.

10 Why, to the extent you know, did nobody else
11 besides Monsanto make any appreciable quantities of PCBs
12 in the United States?

13 A I think the investment was considerable in
14 terms of when you look at the biphenyl, the quality of
15 the biphenyl that was required, capital investment that
16 went into making biphenyl, and the purity and price of
17 the final product. In other words, it was not
18 profitable for somebody else to go in.

19 Q You mentioned to me earlier that sometimes
20 chemicals are patented. Do you know whether or not
21 Aroclor or PCBs were a patented chemical?

22 A Monsanto had not a patent. I think the
23 patents -- application patents were issued to -- to
24 General Electric. And, of course, then other electrical
25 manufacturers had various combinations of

1 polychlorinated biphenyl and various stabilizers and
2 various end-use patents.

3 Q So if I'm understanding what you are telling
4 me correctly, there was no patent restriction that would
5 keep any other manufacturers from making PCBs?

6 A That's largely correct, yes.

7 Q And nobody else chose to enter the field
8 significantly for whatever reason?

9 A Well, for the reasons I think I've
10 enumerated. The capital cost and the profitability were
11 not -- not there.

12 Q Are you telling me that PCBs were a
13 relatively unprofitable chemical for Monsanto?

14 A Well, profitability is relative. But in
15 order to enter the manufacture, you had to invest
16 capital in biphenyl or get biphenyl of a high purity.
17 And that was difficult to do. You could always make
18 that investment if you wanted to. I think people chose
19 not to.

20 Q Well, I guess my question was whether you're
21 telling me that the profit margin on the manufacture of
22 biphenyl was such that it would not be attractive to
23 anyone else to enter the market.

24 A It's not the profit margin. It would be the
25 profit margin and the volume and what they could sell it

1 for that would be important. They would have to
2 calculate that. And I'm sure they did.

3 Q Do chemical companies, in making decisions
4 about what products to manufacture and how many places
5 to manufacture them and by what process, take into
6 account all those factors to arrive at a decision?

7 A If they are astute in the economic area,
8 they -- they will do so.

9 Q And whether or not a particular chemical
10 company chooses to manufacture a particular chemical
11 will depend upon the economics of that process, if
12 they're astute?

13 A I confined it to their economic
14 consideration. There may be other considerations. They
15 might want to make it for reasons of protection for
16 another product; they might be asked by a particular
17 customer to make it; there are many other reasons why
18 you would make a chemical.

19 Q Well, let me ask this. Is it generally true
20 that chemical companies don't make chemicals --
21 particular chemicals unless they can be sold profitably?

22 A Well, I've indicated there are some cases
23 where if you want to protect a downstream product or
24 make a downstream product you might well want to make
25 the intermediate for that and you might not make a

1 profit on that intermediate, or it would be a very low
2 profit.

3 Q But the overall profitability of the entire
4 process is there. Correct?

5 A Somewhere along the line you've got to have
6 enough profit to make the chemical and stay in business.

7 Q Okay. And I suppose Monsanto was a
8 financially-astute chemical company.

9 A Sometimes.

10 Q By the way, Monsanto is not an oil company in
11 the sense of producing oil or gas or exploring for it or
12 anything like that. Is that correct?

13 A Well, I think they've sold their assets now,
14 but they bought Lion Oil. And I don't know the year.
15 So we did have an oil exploratory program, a small one,
16 and we did operate wells. We even operated a refinery
17 for a little bit.

18 Q Okay. That was something that Monsanto
19 acquired after it started working as a chemical company?

20 A Yes.

21 Q And subsequently disposed of?

22 A Well, the Lion Oil operation was integrated
23 largely into the agricultural company. They made
24 ammonia, made ammonium nitrate, and they did -- I think
25 they disposed of the refinery early. But we did operate

1 oil wells and explored for a number of years after that.

2 Q But Monsanto is no longer doing that.

3 Correct?

4 A I think they sold -- it was up for sale. I'm
5 not sure whether they sold it or not.

6 Q As a chemical company, Monsanto does use oil
7 as a feedstock for some of its processes, does it not?

8 A It did at that time. They're less dependent.
9 They sold, as you said, Texas City; they sold part of
10 Alvin. So they're a lot less in that business than they
11 were.

12 Q Okay.

13 What other feedstocks, general sources of
14 chemicals, does Monsanto use besides oil?

15 A Salt, sulfur, phosphate rock. Gosh, I don't
16 know. A host of small things. But those are the
17 principal elements on which it's built.

18 [Recess]

19 VIDEO OPERATOR: We're now back on the
20 record.

21 MR. LACEY:

22 Q Dr. Richard, does Monsanto take these very
23 basic chemicals and from that manufacture most of the
24 feedstock products for its more complex chemicals?

25 A They do this in certain areas, yes, certain

1 lines of products.

2 Q Well, let me go --

3 A Like styrene and acetic acid and methanol.

4 Natural gas is another product, I guess it's

5 oil-related. Benzene we use. Benzene we use.

6 Q Where do you get benzene?

7 A We usually buy it, although we've also got it

8 from Alvin, from our own operations there.

9 Q Was the Alvin plant the one known as the
10 Chocolate Bayou plant?

11 A Chocolate Bayou.

12 Q Let me ask specifically now about the
13 manufacture of PCBs. What chemical or chemicals did
14 Monsanto start with in its process of manufacturing
15 PCBs?

16 A We started with benzene.

17 Q And from whom would you get benzene?

18 A I don't know specifically. But Exxon and our
19 own operations. But I don't know whether it was
20 exclusively from one or the other. We also probably
21 bought on occasion from other sources. But you would
22 have to go to purchasing for that.

23 Q When you get benzene, is that a liquid?

24 A Normally, yes.

25 Q Okay. And is the first step in manufacturing

1 a PCB from benzene to create diphenyl?

2 A Correct.

3 Q Let me get you to use the blue marker up
4 there to just draw an arrow showing that the first step
5 is to go from benzene to diphenyl in the process of
6 manufacturing PCBs. At least as far as Monsanto's steps
7 of manufacture are concerned. You might use the blue
8 one. I hope it's got more ink than that black one seems
9 to.

10 A The black one? Okay. [Marking] Sorry about
11 that. I think the problem is --

12 Q We've left them uncapped?

13 A I think so. [Marking]

14 Q Okay. So you've now drawn the first step
15 that is taken by Monsanto.

16 A Was taken by Monsanto.

17 Q Was taken.

18 A Was taken.

19 Q I'm sorry. Okay. Monsanto is no longer
20 manufacturing PCBs. Is that correct?

21 A Right.

22 Q When did they stop?

23 A I'm not sure about that. '77, I think.

24 Q And what was the reason that Monsanto stopped
25 manufacturing PCBs?

1 A I think the electrical industry found
2 replacements for the products.

3 Q Was there anything that moved them toward
4 that step in the 1970s?

5 A Sure. I'm sure there was.

6 Q Do you know what it was?

7 A It would be environmental concerns.

8 Q Anything else?

9 A Government potential action. But Monsanto
10 moved earlier than that. Earlier than regulations.

11 Q What regulations are you talking about?

12 A I think that polychlorinated biphenyls were
13 considered an environmental industrial compound that did
14 not belong in the environment; therefore, it was used as
15 an example in TSCA legislation or pending legislation.

16 Q What is TSCA legislation?

17 A It was the foundation for the EPA agency.

18 Q Is TSCA an acronym that stands for something?

19 A I'm not sure what that stands for.

20 Q Okay.

21 Now, so Monsanto has ceased manufacture. But
22 when it was manufactured, the first step would be to
23 take some of the benzene that we've shown on our piece
24 of paper here and convert it to diphenyl and simple
25 hydrogen gas. Correct?

1 A That's the simplest part of the operation,
2 yes.

3 Q Okay.

4 Now, what was the second step taken by
5 Monsanto to convert benzene into PCBs?

6 A Distillation of biphenyl.

7 Q And what was involved in the distillation of
8 the biphenyl?

9 A Separation from terphenyl.

10 Q What is terphenyl?

11 A Three phenyl groups put together.

12 Q So that would be three benzene rings
13 connected together?

14 A Yeah. Right.

15 Q And where did that come from?

16 A It came from the pyrolysis of benzene to
17 biphenyl.

18 Q What is pyrolysis?

19 A Heating in the absence of oxygen or air or
20 anything else. You pass benzene through a hot tube.

21 Q And in the process you make diphenyl, which
22 is what you're trying to make?

23 A We also tried to make terphenyl, too.

24 Q I see.

25 Now, are there any things beyond terphenyls?

1 A Yes; quadriphenyls.

2 Q Quadriphenyls? Those are four benzene rings
3 together?

4 A Yes.

5 Q Anything beyond that?

6 A Yes. Some small amounts. Tar.

7 Q Tar?

8 A We called it montar.

9 Q What was montar?

10 A Mixture of quadriphenyls probably higher --
11 and higher aromatics.

12 Q Was that something that you were trying to
13 make in this process of converting benzene into
14 diphenyl?

15 A No. They came along with the process.

16 Q That's pretty common, isn't it, for chemical
17 processes to generate some waste materials that you're
18 not really trying to create but they just are part of
19 the process?

20 A True.

21 Q Now, you would then try to get rid of
22 everything but the diphenyl after you had made benzene
23 into diphenyl by purification. Is that correct?

24 A Well, no, we saved the other products as
25 well.

1 Q Okay. Well, you were separating --

2 A Separated them.

3 Q Separating them, then?

4 A Right.

5 Q And when you got the terphenyls and the
6 montars separated from the diphenyl, what you had left
7 was pure diphenyl?

8 A Almost pure, yes.

9 Q Would there be any benzene left in that
10 diphenyl?

11 A If there were, there would be very, very
12 small amounts parts per million.

13 Q Would there also be some terphenyls and even
14 quadriphenyls left in that diphenyl after purification?

15 A Yeah, very small amounts possibly.

16 Q It's almost impossible in a large-scale
17 chemical process to entirely eliminate impurities, isn't
18 it?

19 A Yes, true.

20 Q So, after you had gotten the diphenyl as pure
21 as you practically could, what was the next step in the
22 production of PCBs?

23 A Chlorination.

24 Q And that would mean adding what, chlorine gas
25 to the diphenyl?

1 A To the diphenyl, yeah, diphenyl.

2 Q And how was that process done?

3 A In continuous chlorination vessels series.

4 Q Gas was just injected into the material?

5 A Right. With stirring cooling.

6 Q With what?

7 A Stirring and cooling. Cooling.

8 Q And would you have one batch that made a
9 specific monochlorodiphenyl and another batch that made
10 a specific bichlorodiphenyl and another one that made a
11 specific trichlorobiphenyl?

12 A No. You come out with a mixture.

13 Q You can't make just monochlor --

14 A Well, mono you can -- you have a better
15 chance of making mono than any other one. But you go to
16 di and poly and you get mixtures of isomers and you get
17 mixtures of various chlorination products. Various
18 degrees of chlorination.

19 Q Why is it that you have that problem of
20 getting different mixtures?

21 A Well, it's a statistical operation. And you
22 make one and you can get two and three and up to four
23 and five.

24 Q When you have gone through that continuous
25 chlorination process, you then come out, as I understand

1 it, with a mixture of PCBs. Is that correct?

2 A That's correct.

3 Q Are there any impurities that are generated
4 in that process?

5 A There's HCL.

6 Q That's hydrogen chloride gas?

7 A Hydrogen chloride gas.

8 Q Anything else?

9 A Those are the principal -- that's the
10 principal impurity.

11 Q Any other impurities that are generated?

12 A We looked. I think that we're talking about -
13 impurities that contain oxygen. If we're looking for
14 that, we did not find any dioxins in our product
15 analytically. And these methods were developed late.
16 We looked for any dibenzofurans and found parts per
17 million late in 'Seventy -- late in the Seventies -- I
18 shouldn't say late in the Seventies, but late in the
19 Sixties, early in the Seventies.

20 Q So you did have some dibenzofurans as
21 impurities in the manufacture of PCBs?

22 A Parts per million possibly.

23 Q Well, when you measured it, you found it.
24 Not possibly. You actually found it, didn't you?

25 A I think we did. It was not -- you would have

1 to ask the analytical people what the exact parts per
2 million were, but very low.

3 Q Which analytical people did that work?

4 A Applied sciences -- in general, applied
5 sciences were working under Dr. Keller.

6 Q Dr. Keller?

7 A Dr. Keller.

8 Q And what group was he with?

9 A He was with the -- either the organic
10 division early or the industrial company as it got
11 reorganized. He also was in the research department,
12 had a separate organization.

13 Q After PCBs were produced with this measurable
14 dibenzofuran contamination, was it possible to purify
15 the PCBs in order to remove all the dibenzofurans?

16 A All -- it was not impossible -- it was not
17 possible to remove all the products in a practical
18 manner when you're down to parts per million in the
19 first place.

20 Q When the analytical tests that you referred
21 to were conducted, were they conducted on current
22 production of PCBs or on PCBs that had been produced
23 many years earlier?

24 A You would have to ask Keller about what
25 samples were actually produced -- were actually

1 analyzed.

2 Q I see.

3 Do you know whether or not the analytical
4 method used made it possible to positively confirm the
5 absence of any dioxin in the PCBs?

6 A Within the detection limits available at that
7 time, I think he could -- he could document what the
8 level of detection was.

9 Q Explain what you mean by level of detection.

10 A Well, what's the sensitivity of the
11 analytical method in terms of reaching the level that
12 you're talking about in terms of accuracy.

13 Q Can you explain that in laymen's terms, so to
14 speak?

15 A Well, the separation and detection depend on
16 isolation techniques, first. And isolation techniques
17 are -- are imprecise. You do the best you can by things
18 like absorption and extraction and manipulation. And
19 then you have instrumentation that is hopefully specific
20 to the compound you're talking about, but often is not.
21 So you're depending upon the isolation techniques first,
22 and then the sensitivity of the next analytical method,
23 and maybe you combine a third analytical method to try
24 to identify the particular compound you've got. So it's
25 a pretty complicated procedure to get a part per million

1 or a part per billion kind of analytical method. It
2 takes a lot of instrumentation, takes a lot of
3 technique.

4 Q What you are saying is, there is some margin
5 for error in all of that. Is that correct?

6 A That's the sense -- that margin of error is
7 included in the term "sensitivity." So that what the
8 analytical people try to do is say what is their margin
9 of error by stating it.

10 Q And what that means is that if they have a
11 margin of error at all there is some room for that
12 chemical to have been there and not been detected.
13 Correct?

14 A Well, if they don't detect it, they don't
15 detect it, that's -- you have to stop there.

16 Q But that does not rule out the possibility
17 that the chemical is there but below the margin of
18 error. Is that correct?

19 A In terms of sensitivity, yes. When you get
20 down to it, you could talk about levels of detection
21 times 10 to the minus 20th or something like that, and
22 we're not able to reach those levels at the present
23 time. So it's impossible to answer your question other
24 than in a theoretical way.

25 Q Well, my -- my real question is, that it is

1 possible, if you have any given level of detection,
2 whether it's parts per 10,000 or parts per 100,000 or
3 parts per million or parts per ten million or hundred
4 million or billion, whatever it may be, to say that
5 "We didn't find that and here's our level of detection"
6 simply says that: It's not there, as best we can tell,
7 at that level. It does not mean it's not there at a
8 lower level. Isn't that correct?

9 A It says only that it's not there within the
10 limit of our detectability.

11 Q That's right.

12 A You don't know whether it's there or not.

13 Q Exactly. And so the measurements that were
14 made by Dr. Keller and his group do not rule out the
15 possibility of dibenzodioxin as a contaminant in PCBs
16 manufactured by Monsanto, do they?

17 A They don't rule it in, either.

18 Q That's right. We just don't know. Isn't
19 that correct?

20 MR. SHOEBOOTHAM: I have to object to that
21 question. That's not what Dr. Richard has testified to.
22 Dr. Richard has clearly told you about the use of these
23 scientific methods to detectable levels, and you are
24 twisting around what he is saying and asking a
25 misleading question. And I object to it on that basis.

1 MR. LACEY:

2 Q What I want is a simple layman's answer to
3 the question. When you say you can't rule it in or you
4 can't rule it out, what that means is you don't know.
5 Isn't that right?

6 A I repeat that we ran the tests, we repeated
7 the tests, determined a level of sensitivity, and that's
8 what will be reported.

9 Q When you say you can't rule it in and you
10 can't rule it out, don't you mean you don't know?

11 MR. SHOEBOOTHAM: Objection.

12 A That's not true.

13 MR. LACEY:

14 Q What do you mean, then, when you say you
15 can't rule out the presence of dioxin as a contaminant
16 in PCBs produced by Monsanto?

17 A I repeat that we analyzed for dioxin. And
18 when the sensitivity of the test and the level of the
19 test is reported, dioxin is not present.

20 Q Okay. And why, then, does that not rule out
21 the presence of dioxin as a contaminant in the PCBs?

22 A Because it was not detected.

23 Q Okay.

24 Now, why is it that dibenzofurans are created
25 when one is attempting to convert diphenyl into PCBs?

1 A Why is it that they're created?

2 Q Yes.

3 A Some traces of oxygen might be present in the
4 dehydration, dehydrogenation of the benzene.

5 Q And what that says is when I take hydrogen --
6 dehydrogenation of the benzene simply says I'm going to
7 strip the hydrogen atoms away. Correct?

8 A Right.

9 Q And the reason I'm stripping it away is so
10 hopefully chlorine atoms will bond back where those
11 hydrogen atoms were. Correct?

12 A No.

13 Q Why am I stripping the hydrogen away?

14 A So that carbon can bond.

15 Q Oh, I see. This is in the process of making
16 benzene into diphenyl?

17 A Right.

18 Q So you think that the oxygen and the creation
19 of the dibenzofuran may be created in the step of
20 converting of benzene to diphenyl rather than the step
21 of converting diphenyl into PCBs?

22 A It might and it might not. I don't know the
23 answer. But it's possible.

24 Q Okay. Is it also possible it may be created
25 in the step of converting diphenyl into PCBs?

1 A That's also possible.

2 Q And that would also be because as we replace
3 hydrogens -- atoms with chlorine atoms, if there's
4 oxygen present, they may step in and be bonded instead?

5 A Right.

6 Q Now, the process by which -- strike that.

7 Dibenzofuran is a biphenyl in which two
8 hydrogen atoms have been replaced with a single oxygen.
9 Is that correct?

10 A In the biphenyl molecule.

11 Q Yes.

12 A Right.

13 Q And dibenzodioxin is a diphenyl molecule in
14 which four hydrogen atoms have been replaced with two
15 oxygens. Isn't that correct?

16 A It's really not a biphenyl molecule. I've
17 made a mistake in the structure here. But there's no --
18 there's no bond. When I drew this, there should be no
19 bond here, and this should be a six-member ring.

20 Q With two oxygens --

21 A It would be two oxygens on each phenyl ring.
22 So there would be four -- four hydrogens involved.

23 Q Why don't we get you to draw right below the
24 dibenzodioxin you've previously drawn what you now think
25 is a correct dibenzodioxin.

1 A Yeah. I said earlier that I was unfamiliar
2 with this chemistry.

3 Q Why don't we get you to draw it -- let me
4 give you a new black marker so we can kind of keep the
5 consistency of this thing. That one won't work.

6 A Maybe if we close it up for a bit.

7 Let's see if I can get it right. You
8 gentlemen can help me a little here. [Marking] I think
9 that's closer.

10 Q Okay. Let me get you to use the red pen, if
11 you can, to put in the carbons --

12 A Is that correct in your --

13 Q You're the -- you're the chemist, Dr.
14 Richard.

15 A Not on dioxins.

16 Q Is there enough green left to kind of take
17 those oxygens and make them green so we can see them a
18 little bit better?

19 A [Marking]

20 Q Maybe we can close all those things up here
21 and tried to keep them -- preserve them there for the
22 rest of the day.

23 Now, how did you determine that you had made
24 a mistake in drawing the --

25 A I started thinking that this structure was

1 crazy, and I talked to Jon, and we decided we should
2 correct it.

3 Q By "Jon," you are talking about Mr.
4 Shoebbotham?

5 A Yes.

6 Q Monsanto's lawyer?

7 A Monsanto's lawyer.

8 Q He helped you figure out that you had drawn
9 the dioxin wrong?

10 A No. I -- I was thinking about it, and it
11 just looked cockeyed up here to me.

12 Q Okay.

13 A Too many bonds, two big rings, impossible
14 situation.

15 Q Well, let me ask you, now that you have
16 redrawn the dioxin and we've got the one there on the
17 bottom that's drawn with Mr. Shoebbotham's assistance --
18 would that be a fair statement?

19 A I think it was my correction.

20 Q After talking with Mr. Shoebbotham?

21 A Well, after thinking about it and saying the
22 structure looks crazy.

23 Q Who said, "The structure looks crazy"?

24 A I did. I was drawing dibenzofurans and
25 thought I could just extrapolate from this to this, and

1 you really can't.

2 Q Well, let's -- let's go back and look at the
3 difference between dibenzofuran and dibenzodioxin. What
4 you have when you have the dibenzofuran, which has been
5 created with two hydrogens replaced with a single
6 oxygen -- is that correct? -- plus the remaining phenyl
7 bond. Correct?

8 A Yes, you have to split it or do something,
9 yeah.

10 Q And when you get to dibenzodioxin, an oxygen
11 atom steps in place of the straight carbon-to-carbon
12 bond and you then have the two rings -- the two benzene -
13 rings bonded together through two oxygen atoms.
14 Correct?

15 A Yes.

16 Q Now, under what circumstances or what
17 physical conditions do you create dibenzofurans from
18 benzene?

19 A I don't know that this has been done.

20 Q Well, I thought you --

21 A I'm not an expert on dioxin. But as far as I
22 know, it is not done this way.

23 Q I thought you just previously told me --

24 MR. SHOEBOOTHAM: I think there was a
25 miscommunication. The question went to dibenzofurans,

1 not to dibenzodioxins.

2 THE WITNESS: Oh, excuse me. I thought you
3 were talking about dibenzodioxins.

4 MR. SHOEBOOTHAM: Why don't you repeat the
5 question.

6 MR. LACEY: Let me ask again.

7 Q Under what conditions do you create
8 dibenzofuran from benzene?

9 A I don't know that you do create dibenzofuran
10 from benzene. It may be possible to do so in small
11 amounts.

12 Q Well, I thought you told me that part of the
13 contamination of dibenzofuran that is found in
14 Monsanto's PCBs arose from the creation of dibenzofurans
15 while you were making diphenyl from benzene.

16 A I said that was possible.

17 Q I see.

18 A I said it was possible.

19 Q I see. Well, is it fair to say --

20 A I don't know -- I don't know that for a fact.

21 Q Is it fair to say that the creation of
22 dibenzofuran that was a contaminant in Monsanto's PCBs
23 must come either during the stage of converting benzene
24 into diphenyl or the stage of chlorinating the diphenyl?

25 A Right.

1 Q Okay. And is it also fair to say that in
2 each of those stages there must be some oxygen present
3 in order to create a dibenzofuran?

4 A Oxygen, yes; some form.

5 Q By definition?

6 A By definition.

7 Q And also is it fair to say that in each of
8 those stages there is some heating present?

9 A Some heating present? It wouldn't react at
10 room temperature?

11 Q Yes.

12 A Possible.

13 Q Well --

14 A More than likely, I guess.

15 Q I'm sorry. Isn't there heating present in
16 converting benzene into diphenyl in the way Monsanto did
17 it?

18 A Yes. We said we did a pyrolysis reaction.

19 Q Right. That involves heating, does it not?

20 A Yes.

21 Q Okay. Isn't there also heating involved in
22 chlorinating the diphenyl?

23 A Yes. But at a much lower temperature.

24 Q But in both of those processes there is the
25 application of heat to either the benzene or to the

1 diphenyl. Correct?

2 A Right.

3 Q And whether the dibenzofuran contamination in
4 Monsanto's PCBs is created in the step of going from
5 benzene to diphenyl or in the step from diphenyl to
6 PCBs, in either one of those steps there must be oxygen
7 and there is heat applied. Correct?

8 A Yes. In small amounts.

9 Q In fact, if there were more oxygen
10 contamination, it's likely that there would be a larger
11 amount of dibenzofuran created, isn't there?

12 A If that's the mechanism for the creation.

13 Q Well, if either one of those is the
14 mechanism.

15 A If that's the mechanism, then your conclusion
16 is correct.

17 Q Well, are you telling me that you think that
18 Monsanto's initial feedstock of benzene contained
19 dibenzofurans in it?

20 A I don't know the answer to that question.

21 Q Was there anybody at Monsanto who did check
22 for that to ensure that the feedstock was clean when you
23 got it?

24 A There may -- well, there are records. I
25 don't -- I'm not familiar with the records on benzene

1 purity.

2 Q Would there be records --

3 A There would be records.

4 Q On each shipment of benzene that was
5 received?

6 A On -- well, it would be between the supplier
7 and Monsanto to either furnish specifications or
8 analytical work. And I'm not sure how that was set up.
9 They might have to guarantee specifications.

10 Q Well, let me -- let me just ask a question
11 hypothetically so I can understand how Monsanto worked.
12 And I guess to do that, from whom -- give me the name of-
13 a company that might have been a supplier of benzene to
14 Monsanto.

15 A Exxon.

16 Q Okay.

17 A One supplier.

18 Q Okay. That's fine. If Monsanto says to
19 Exxon, "I want benzene that's 100 percent pure, you
20 guarantee that to me," and Exxon says, "I'll guarantee
21 you benzene that's 100 percent pure," if nobody ever
22 checks the benzene, nobody is ever going to know whether
23 or not they met the specification. Correct?

24 A Correct.

25 Q Okay.

1 Now, my question is whether or not Monsanto
2 actually checked each quantity of benzene it received
3 from its suppliers to ensure that it in fact met the
4 purity specifications.

5 A Let's say they would check it. But whether
6 they checked every batch or they sampled the storage
7 tank I can't tell you.

8 Q Okay. So then you are telling me it is
9 possible that the dibenzofuran contamination could have
10 been present in the benzene as it came in?

11 A Possibly, but unlikely.

12 Q Okay. So you think it's more likely that
13 Monsanto created the dibenzofuran contamination itself?

14 A Yes. When we're talking about -- now, we're
15 talking about one or a few parts per million. So you
16 talk about a contamination. But we're talking at that
17 level.

18 Q Well, let me ask you a little bit about that
19 for a moment. You mentioned that processes -- for
20 example, this analytical process was developed
21 relatively late and was a very good process. Did the
22 ability of Monsanto to make PCBs get better over time
23 with technological improvements?

24 A There were not too many changes in that
25 process.

1 Q I see. So basically the process was the same
2 as it had always been?

3 A Basically the process was the same.

4 Q So the technologies initiated in what, the
5 1930s?

6 A Well, no. We're talking about the time frame
7 from '63 through '70 when I was concerned with it.
8 That's the only thing I can really speak for.

9 Q That's what I'm trying to ask about.

10 A Between that time limit the process was
11 relatively unchanged or little changed. Some minor
12 improvements, but no major changes.

13 Q Okay.

14 Now, how many samples -- how many different
15 batches of PCBs were sampled by Dr. Keller and his group
16 to attempt to determine the level of dibenzofuran and
17 possible dibenzodioxin contamination?

18 A You would have had to ask him. I don't know.

19 Q Was that a routine sampling that was done on
20 every batch?

21 A No, it was not a routine sample.

22 Q Okay. Now, let me then go back and ask my
23 question about that. If, for example, in the process of
24 making benzene diphenyl in a particular batch more
25 oxygen was introduced into that process than in another

1 batch, there would be a greater likelihood of
2 dibenzofuran contamination of that batch, would there
3 not?

4 A It's possible.

5 Q The greater the amount of oxygen, the greater
6 the amount of possible dibenzofuran contamination.
7 Correct?

8 A Correct.

9 Q Similarly, if in the process of converting
10 diphenyl into PCBs in one particular batch, for whatever
11 reason, there was more oxygen present than in another
12 batch there would be the greater likelihood of more
13 dibenzofuran contamination. Correct?

14 A Correct.

15 Q One, and maybe the only, as far as you know,
16 limiting factor on the amount of dibenzofuran
17 contamination in the manufacture of PCB would be the
18 amount of oxygen present. Correct?

19 A Yes.

20 Q And that could vary with the particular
21 operation of the plant, could it not?

22 A It could within limits. But the chance of
23 contamination with oxygen is very limited. Pains were
24 taken to make sure that oxygen was not a contaminant.

25 Q And my point is that sometimes chemical

1 processes at a plant don't run like they're designed to.
2 Isn't that correct?

3 A Within limits. You would have possibly
4 unstable Aroclor or something like this that would be
5 out of specification. So what you try to do is set your
6 specifications and your detection limits to minimize
7 that. Now, the process -- actually, the electrical
8 properties of Aroclor were required to be extremely
9 good. And in fact what happened was that the process
10 was tested or the product was tested for electrical
11 properties, for dielectric constants and for power
12 factor and so forth, which almost automatically ensure
13 that the level of contaminants, especially oxygen-
14 containing contaminants, is always low. And so,
15 therefore, through the electrical testing, which was
16 done on every batch and every product that was shipped
17 out, I think automatically would minimize the kind of
18 scenario which you expressed earlier. And that was the
19 final testing of the product before it was shipped by
20 Monsanto.

21 Q What is the maximum concentration of
22 dibenzofuran contamination in PCBs that could exist and
23 still have the Aroclor meet the electrical testing
24 specifications?

25 A I don't know the precise answer to that. The

1 detection -- the electrical properties, though, are more
2 sensitive than most chemical methods, at least in this
3 time frame we're talking about. So that the electrical
4 testing is probably a more sensitive method for overall
5 oxygen containing than anything else.

6 Q Well, my question is slightly different.
7 Certainly there was a difference in electrical
8 properties between various batches of Aroclor, was there
9 not?

10 A Within limits. But the limits were very
11 tight.

12 Q Well, what I'm trying to get at is, if you
13 happen to have a batch of Aroclor that had absolutely no
14 contamination of any sort whatsoever, it would be
15 perfect and everybody would be happy with that. Right?

16 A Well, it wouldn't be perfect. They would be
17 modestly happy with it.

18 Q Well, no, but I mean I'm just going to
19 hypothesize we have a batch that has no contamination at
20 all. That would be perfect and everybody would be happy
21 with that, wouldn't they?

22 A No. They -- what they wanted was consistency
23 rather than perfection.

24 Q I see. Okay. So, actually, there wasn't
25 even a striving to reduce the contamination level to

1 zero, it was only to have a consistent level of
2 contamination?

3 A Well, we ran absorption -- we had absorption
4 and the electrical people themselves used absorption to
5 ensure a low level of contamination of oxygen-containing
6 impurities.

7 Q But my question --

8 A And this --

9 Q I'm sorry. Go ahead.

10 A And this almost ensures that there's a level
11 above which you are not going to operate.

12 Q That's not my question to you. If I
13 understand your previous answer correctly, it would not
14 be desirable to have PCBs with no contamination; rather,
15 it would be desirable to have a consistent level of
16 contamination.

17 A A consistent low level of contamination.

18 Q Okay.

19 A Consistent low level.

20 Q So that --

21 A Extremely low level.

22 Q So that in electrical testing we can almost
23 be assured that every batch of Aroclor would have had
24 some dibenzofuran contamination in it. Correct?

25 A Below a certain level.

1 Q But present in every batch. Correct?

2 A I can't say whether it was present in every
3 batch or not.

4 Q Well, if you had a batch that didn't have
5 that level of consistent contamination, would it be
6 tossed out?

7 MR. SHOEBOOTHAM: Objection. The question has
8 been asked and answered. He said you can't tell whether
9 it would be in there or not in a particular batch.

10 MR. LACEY: That's not my question.

11 Q My question now is: If we had a batch of
12 Aroclor that was inconsistent and the reason it was
13 inconsistent was because it had no contamination, would
14 it be rejected by your quality control people?

15 A I don't think we ever saw a batch that didn't
16 have some impurities. But we're talking about ten to
17 the minus six and below. And we're talking about
18 electrical contamination probably that's lower or as low
19 or lower than that.

20 Q Ten to the minus six is one part per million?

21 A Yeah.

22 Q Okay.

23 Now let me ask you about PCBs. Were all PCBs
24 put to electrical applications?

25 A Were they all put to electrical -- no. The

1 answer was, they were used in other areas as well.

2 Q Did all of the PCBs have to meet the
3 electrical specifications before they left Monsanto's
4 plant?

5 A They were all produced in the same plant,
6 probably went through all the same quality control
7 tests. We didn't isolate nonelectrical and electrical
8 at the manufacturing -- at the Aroclor plant itself.

9 Q You mean even Aroclor that didn't need to be
10 refined to the level to meet the standards you've
11 described for electrical purity was nevertheless refined
12 to that level anyway?

13 A Yes, probably.

14 Q You say probably. Do you know?

15 A I don't know it was given special, special
16 treatment in some cases. Whether that was always
17 carried out I don't know. You would have to look at the
18 records. But the main process was always the same.

19 Q The general process --

20 A General process was always the same.

21 Q I see.

22 Are you familiar with the numbering of the
23 aroclors? I've seen numbers like 1242 and 1254 and
24 1260.

25 A Yes, in a general way, sure.

1 Q What does the numbering indicate?

2 A The numbering early indicated the level of
3 chlorine. 1242 meant roughly 42 percent chlorine.

4 Q Okay. And 1254 meant --

5 A Roughly 54 percent chlorine.

6 Q And 1260 meant --

7 A Same thing.

8 Q Sixty percent chlorine?

9 A Right.

10 Q So the last two digits in that number
11 indicated the approximate percent of chlorine?

12 A Approximate percent by weight of chlorine.

13 Q And what did the first two digits represent?

14 A I can't answer that question. 1200 series
15 was just normal practice.

16 Q Was there an 1100 series?

17 A We made special batches of 1100, for example,
18 that might be a different process or different --
19 slightly different step. But I can't remember what the
20 1100 series was. Very little was sold, if any.

21 Q What about 1300?

22 A I'm not familiar with any 1300 numbers except
23 the MCS numbers possibly.

24 Q What about 10?

25 A 1016 you are talking about? Aroclor 1016?

1 Q The first two digits at 10.

2 A Well, the only -- only 10 number that I know
3 is Aroclor 1016, which was an electrical grade which was
4 taken from an MCS number 1016.

5 Q Okay. And what is an MCS number again?

6 A Monsanto chemical sample it stood for.

7 Q That's a test product?

8 A It's a test product.

9 Q And so if we have --

10 A If it has an MCS number on it, it's a test
11 product.

12 Q If we have a product with an MCS number, that-
13 means it's not in regular production yet for commercial
14 sale?

15 A That's right.

16 Q And if it's in production for commercial sale,
17 it's going to have Aroclor number?

18 A That would be normal. There would be a
19 transition from one to other, but that would be the
20 normal practice, right.

21 MR. LACEY: Let me ask the court reporter to
22 mark the sheet that we've been discussing as an exhibit
23 to your deposition, Dr. Richard.

24 [Exhibit 1 marked]

25 [Noon recess]

1 VIDEO OPERATOR: We're now back on the
2 record.

3 MR. LACEY: Let's take care of a couple of
4 matters of housekeeping before we get started back on
5 the deposition. I understand that Dr. Richard will
6 review his deposition and sign it. And we have the same
7 agreement we've had before with regard to using a copy
8 in the event we don't have the changes back a week
9 before trial?

10 MR. SHOEBOOTHAM: Correct. That's agreeable.

11 MR. LACEY: And we've agreed that we'll use
12 the documents produced by Monsanto as exhibits and just
13 refer to them by the last digits other than zero for
14 purposes of identification for the depositions?

15 MR. SHOEBOOTHAM: That will be fine.

16 MR. LACEY: Without attaching copies of the
17 documents to the deposition transcript?

18 MR. SHOEBOOTHAM: That is agreeable.

19 MR. LACEY: The next matter of housekeeping
20 is, I want to offer what has been marked as Richard
21 Deposition Exhibit No. 1.

22 Q I would like you to confirm, Dr. Richard,
23 that what is marked now by the reporter as Richard
24 Deposition Exhibit No. 1 are the drawings that you have
25 previously made with regard to various chemicals that we

1 discussed, and names for chemical compounds.

2 A I do.

3 Q Okay.

4 Let me ask you, Dr. Richard, if you are aware
5 of the circumstances under which -- I'm not talking
6 about now in the manufacturing process, but following
7 the manufacturing process, the circumstances under which
8 PCBs might be transformed into furans.

9 A The only thing I've seen is in the literature
10 in terms of photochemistry.

11 Q And what have you seen?

12 A Well, people were trying to make dibenzofuran-
13 by radiation of samples in the laboratory.

14 Q Do you mean exposing them to --

15 A UV light.

16 Q -- UV light?

17 A Yeah.

18 Q Do you know anything other than that?

19 A That's about it.

20 Q Do you know anything about the circumstances
21 under which -- again, I'm not talking about the
22 manufacturing process, but when you have PCBs they might
23 be transformed into dibenzodioxins?

24 A It's very unlikely, I think. I'm not an
25 expert in dioxin chemistry.

1 Q Okay.

2 In connection with your work or your
3 section's work from time to time with the various plants
4 that produce PCBs, did you ever have occasion to visit
5 either the Krummrich plant or the plant in Anniston,
6 Alabama?

7 A I was there on occasion.

8 Q Did you visit the portions of those plants
9 where PCBs were manufactured?

10 A Yes.

11 Q Can you describe those sections of the plants
12 for me?

13 A Not really. Tanks and vessels and control
14 rooms. That's about it. I mean typical chemical
15 equipment.

16 Q Okay.

17 A You would have to have a detailed flow sheet.
18 I don't have that in my head.

19 Q Okay. Was there anything about the way in
20 which the workers in those sections of those plants
21 where PCBs were produced that were any different than
22 the workers in other sections of those same plants or
23 other Monsanto plants?

24 A You would have to ask manufacturing a
25 question like that.

1 Q You didn't observe anything different?

2 A I'm not -- not that knowledgeable in detail
3 of all the procedures and employment practices in the
4 two plants.

5 Q What about the -- was it J. F. Quenby plant?

6 A Queeny?

7 Q Queeny.

8 A Queeny plant.

9 Q Did you ever visit that facility?

10 A Yes.

11 Q Did you visit the section where PCBs were
12 blended?

13 A Yes.

14 Q Did you notice anything different about the
15 work there versus any other sections of the plant?

16 A Well, the blending operation is much simpler.
17 There were storage tanks and there were blend tanks and
18 then lines to the various tank cars and so forth; some
19 drumming lines. It was more of a packaging, drumming,
20 mixing thing; no chemical reactions went on.

21 Q Did that take place at ordinary temperatures?

22 A Or slightly elevated possibly. But
23 ordinarily you just mix at room temperature.

24 Q What were --

25 A They were fluids.

1 Q Okay. Is there something like a big mixer
2 that whips it up?

3 A It would be a blend -- blend tank. Blend
4 tanks.

5 Q Does it have something inside that
6 mechanically aggitates it?

7 A Normally, right.

8 Q In doing any research -- and I'm talking
9 about laboratory research now -- did your group actually
10 handle PCBs?

11 A Yes. We had them in the laboratory.

12 Q What sort of things would you do with PCBs in-
13 the laboratory?

14 A We would possibly do some special testing,
15 maybe we would build small capacitors, about like so
16 big, impregnate them. We would try to measure the
17 electrical properties on those capacitors, artificially
18 age them. But we did -- this was all small-scale stuff
19 compared to anything that Westinghouse or GE or the
20 electrical people would do. We would make a few, age
21 and measure the electrical properties. We also had
22 apparatus to measure dielectric strength, power factor,
23 dielectric constant. That's about it.

24 Q Did your -- I'm sorry.

25 A That's the normal -- would be the normal

1 thing. Analytical people, of course, would use it to
2 develop the analytical methods.

3 Q I'm not sure I understand your answer there.

4 A The analytical people -- I was talking about
5 the electrical laboratory. The analytical people would
6 use Aroclor in terms of, you know, making the
7 separations and proving what the analytical results
8 were, using small quantities.

9 Q Okay.

10 A The biodegradation people, which was part of
11 applied science, would be doing biological sludge --
12 activated sludge experiments. We analyzed tissues from -
13 test animals. We also blended on laboratory scale. And
14 this would be as much as four or five gallons of certain
15 hydraulic fluids.

16 Q Did all of these --

17 A Well, one other thing, I guess. We would
18 occasionally test the thermal stability and heat
19 transfer systems in the presence of metals for
20 decomposition and degradation.

21 Q Were all these functions -- and by that I
22 mean the electrical test, the analytical test, the
23 thermal test, the tissue test, the biodegradation test,
24 the blending, were all those things done by people who
25 reported through you or to you?

1 A The applied science group did not report to
2 me. This would be the analytical and the analytical
3 work on tissues.

4 Q Okay. So the two things that didn't report
5 to you were what you referred to as analytical and then
6 the analysis --

7 A Analysis of tissue materials.

8 Q -- of tissue?

9 A Yeah.

10 Q The biodegradation, the blending --

11 A Okay. Biodegradation was in that analytical
12 section, applied science section, too.

13 Q Who did that section report to?

14 A This was Dr. Keller. Then he reported, I
15 think, to the director of research, who was Roos during
16 this time. Bill Roos. Anagnostopolis, I guess, too, at
17 one time.

18 Q What was Dr. Roos's position?

19 A He was the research director of MIC, Monsanto
20 Industrial Company, during part of this period.

21 Q Was he a person who you reported to as well?

22 A Yes.

23 Q Was he your immediate superior at that time?

24 A In terms of the research department, yes.

25 Q Okay. And then there were other people who

1 reported to him in a different type of research
2 capacity, which would include --

3 A Well, there were other groups, yes, that
4 would report to him.

5 Q Dr. Keller's section was a parallel section
6 to yours?

7 A It would supply analytical services to the
8 entire MIC division at that time.

9 Q Okay. So your group, then, was involved in
10 thermal testing, electrical testing and blending?

11 A And hydraulic testing, right.

12 Q Okay.

13 What sort of precautions were taken around
14 your laboratory dealing with laboratory samples of PCBs?

15 A Depend on the application. In electrical,
16 analytical, I think the main precautions were to make
17 sure that samples were not contaminated. During the
18 entire rest of the operation I think it was just good
19 laboratory practice: Don't spill anything, use hoods if
20 you need to. But I don't think there were any special
21 rules or precautions in the Aroclor handling.

22 Q Did you have chemicals for which you had
23 special precautions?

24 A Later there were things that were put under
25 special conditions, like the chloroethers, I think,

1 something like that. Things that were considered highly
2 toxic. There were special rooms, for example, created.

3 Q But PCBs were not handled that way?

4 A No.

5 Q Did you ever have occasion to give caution to
6 anybody who worked for you about their handling of PCBs?

7 A Well, the only cautions we developed, I
8 think, were if you wanted to measure electricals, you
9 better keep it in brown bottles, make sure that there
10 was no contamination, storage was special. Wanted to
11 make sure we had clean laboratories. If there was a
12 spill, make sure you clean it up. I think just safe,
13 ordinary good lab practice.

14 Q What things come under the heading of good
15 lab practice? Would you tell me what good lab practice
16 is?

17 A Well, I'll try to. If there were vapors,
18 heat tests and so forth, we tried to use hoods.

19 Q When you say a hood, what do you mean?

20 A Well, a ventilated hood would be in the
21 laboratory. If we were doing oxidative testing or
22 something like that, those were all done in hoods.
23 Biodegradation I think was in general -- I better be
24 careful. I'm not sure of this. That's about it. Keep
25 engineering tests -- keep things tight.

1 Q Very general, I take it.

2 A Yeah. Make sure it's not leaking. We would
3 do that anyway.

4 Q Let me see if I can get you to give me, as
5 best you can recall, from 1963 until 1970 the other
6 people who were at your level in the organizational
7 chart, and I don't mean necessarily in line with you,
8 but the other people who were in the organization chart
9 that dealt with PCBs.

10 A The other people in an organization chart
11 about at my level? Is that the question?

12 Q Yes. Maybe the thing to do, if we could turn--
13 that page there and find one of these markers that
14 works, if you could just draw me an organizational chart
15 as you recall it from roughly 1963 up to 1970 as it
16 related to PCBs.

17 A I think I can verbalize what I know. In the
18 '63 period aroclors were the main province and
19 responsibility of the fluids group and of the
20 plasticizer group. That one application. So the
21 organization would be Richard and Martin Farrar was in
22 charge of the plasticizer group, the research
23 department. Early I reported and Martin reported to
24 Anagnostopolis as research director, and I think later
25 than that we both reported to Bill Roos, at least as one

1 of our -- as the research director. Okay. Now, there
2 would normally be a manufacturing manager during those
3 times.

4 Q Responsible for more than one plant?

5 A Responsible to the business group that
6 controlled fluids or plasticizers. I have to be a
7 little careful about timing here. But that was one type
8 of organization.

9 There would also be a plant manufacturing
10 manager or possibly director -- usually a manager, plant
11 manager -- who would be responsible for all the products
12 in his plant. There would be a marketing director and a
13 marketing manager.

14 Q One report to the other or --

15 A The manager would report to the director.
16 And the marketing manager would have responsibility for
17 usually a large application area such as dielectrics or
18 hydraulics or hydraulics and heat transfer.

19 Q And the director would be over all of those?

20 A Yes. The marketing director of the fluids
21 group would have responsibility over all those. The
22 same thing would be true for the plasticizers.

23 There would be patent counsel who would be
24 responsible for the fluids group, or be assigned to it,
25 so that you knew who to contact in the patent

1 department.

2 The medical department. In the case of
3 polychlorinated biphenyls, I think Mr. Wheeler was our
4 main contact, although we met with Dr. Kelly on
5 occasions.

6 Q Who?

7 A Dr. Kelly. Emmett Kelly.

8 Q Who?

9 A Dr. Emmett Kelly.

10 Q Was he higher than Mr. Wheeler?

11 A Yes. He was Mr. Wheeler's boss.

12 Q So your direct contact was Mr. Wheeler,
13 who --

14 A Normally, yes, who then would report to
15 Kelly.

16 Q What was Mr. Wheeler's first name, if you
17 recall?

18 A Elmer.

19 Q Okay.

20 And what was Mr. Kelly's title?

21 A I don't know specifically, but something like
22 medical director of Monsanto or --

23 Q So he --

24 A -- close to that.

25 Q -- would be the head of that department,

1 then?

2 A He was the head of the medical department.
3 He would normally have a boss above him who was less
4 than the president.

5 Q Okay. Do you have any idea what area his
6 boss would be in?

7 A Emmett retired in '76. So I don't know
8 whether it was -- possibly Mr. Throdahl.

9 Q I guess I was asking more what area. I mean
10 the medical department doesn't fit in any particular
11 chemical --

12 A Well, it was a corporate staff and would
13 eventually report to the president.

14 Q Okay.

15 A But I think he always had somebody in between
16 him and the president.

17 Q And that's what I'm trying to get to. What
18 would that person be responsible for besides the medical
19 department? Would he have other staff --

20 A He would have usually some other duties.

21 Q Okay.

22 A They kept shifting that. You would have to
23 look at the chart by year.

24 Q Does that give us the organizational layout
25 as best you can recall?

1 A Yeah, up through the time we were talking
2 about, I think, from '63 to early -- the organization
3 shifted a little bit, but not -- not drastically.

4 Q Now, if I were going to get people in the
5 same level of responsibility, would I be correct that
6 the research director would be on the same level of
7 responsibility as the marketing director? Are they
8 roughly coequals in terms of their place in the
9 organization?

10 A Yeah. If you have a director and a director,
11 I think they tried to make it somewhat the same level.
12 Whether that was in fact, it's not really pertinent.
13 There's one slight difference and that is that the
14 philosophy changed part way through that. From early it
15 was research department under Anagnostopolis, who was an
16 entity under itself. And later on business groups
17 became one of the main focal points for the research
18 managers. In other words, I had, really, two bosses, I
19 had the business director of the fluids group and I had
20 the research director. So --

21 Q You had two --

22 A -- there was a little bit of philosophy, yes.

23 Q Is the business director the same thing as
24 the marketing director?

25 A No. The marketing director would report to

1 the business director.

2 Q Okay. So we've got the business director.

3 Now, if we look at a marketing manager, would
4 he be equivalent -- roughly equivalent to you as a -- in
5 your managerial position?

6 A Yeah, I'm sure in the salary range probably.
7 He might have less scope in terms of narrower scope but
8 then have a particular product line, whereas in the
9 research department you may have five or six product
10 lines.

11 Q What about the position of a person like Mr.
12 Wheeler in the medical department? How does he fit into
13 the structure in terms of equals and superiors?

14 A He would be dealing largely with me and/or
15 possibly a group leader in a special situation.

16 Q So he's maybe a little bit below the level of
17 manager in this --

18 A We didn't go on that close a protocol. If he
19 was needed in a particular area, he would work with the
20 most knowledgeable people and persons.

21 Q Okay.

22 Do you recall who the business director was
23 during this period?

24 A I gave you, I think, four names that were
25 either directly responsible or partly responsible for

1 the business in a business sense. That was Wobus --

2 Q Okay.

3 A And Cresce. They were kind of dotted-line
4 responsibilities, had a little bit less authority; and
5 then Anagnostopolis and Bergen had more responsibility.
6 So they came later.

7 Q Now, am I to understand that Anagnostopolis
8 and Bergen would be in the category of business
9 directors?

10 A Yes, they were, for the fluids group.

11 Q Okay. And --

12 A Anagnostopolis, you see, went from research
13 director to business director.

14 Q Okay.

15 A Fluids group.

16 Q And Wobus and Cresce had a similar title but
17 before there was quite as much authority in that job?

18 A There was a little less authority that they
19 had, but they were still kind of drawing overall
20 business together.

21 Q Who was the marketing director of fluids
22 during that time?

23 A There were different ones. Tom Gossage was
24 one. I think George Buchanan was early. He left the
25 company. I've forgotten who took his place. Maybe

1 Gossage took his place. There might be one other in
2 there.

3 Q How did the plant managers and the
4 manufacturing managers fit into this scheme?

5 A Well, the manager of manufacturing for fluids
6 reported to the business director and tried to keep
7 track of all the fluids business. And, of course, then
8 the plant manager, his overall job was to manufacture
9 and see that the plant was running. So these two would
10 coordinate operations. Manufacturing operations.

11 Q Was the manufacturing manager responsible for
12 distribution and that sort of thing?

13 A I think only indirectly. I think the plants
14 had more distribution authority and probably took more
15 orders from marketing.

16 Q And with regard to specific fluids, would
17 that come from the marketing manager of that particular
18 fluid?

19 A Well, the orders would come in to marketing
20 and then these would be transferred to manufacturing.
21 And I don't know all the steps. And then first the
22 distribution would be the plant's responsibility and
23 then back to marketing if anything was going wrong. Any
24 customer complaints would come back to marketing and/or
25 manufacturing in some cases.

1 Q Who were the marketing managers during this
2 period of time as related to particular products?

3 A Well, Paul Denivious (phonetically) was
4 manager of marketing for dielectrics for many years
5 during -- earlier and during this period we're talking
6 about.

7 Q Okay.

8 A Dick Davis had hydraulics and some Thermonol
9 responsibility, I think, heat transfer responsibility.
10 There were others in there. Stan, I can't remember his
11 last name, had heat transfer for a while. I don't know
12 who had the aviation. Let's see. Frank Langenfeld had -
13 the aviation hydraulics for many years. And he was
14 followed, I think, by Cumming Peyton. Cumming became
15 Langenfeld's boss or was his boss.

16 Q That --

17 A There may be a few others, but if you get
18 that far you've got them.

19 Q Who were the manufacturing managers who
20 reported to the business directors of the business
21 group?

22 A Jim Savage, I think, was the main one during
23 this product, but there -- during this time interval,
24 but there are one or two others that -- one gentleman
25 has died. I've forgotten his name. He was -- during

1 the -- when Anagnostopolis was director, this man was
2 manufacturing rep. I've forgotten his name.

3 Q What about the plant managers during this
4 period of time?

5 A You would have to -- we would have to go back
6 to the organization charts. There were a number.

7 Q And the patent counsel?

8 A Jack Mauer during a lot of this. Again,
9 there are changes here that would have to be documented.

10 Q Now let's go from 1970 on until you left this
11 area. Can you give me some idea of the structure and
12 then the people who occupied the positions?

13 A It's about the same. I think that from -- I
14 think I've tried to cover from '63 through '72, 3,
15 somewhere along in there. And I had responsibility for
16 paper what, '74, '75, somewhere in there, in addition to
17 the fluids group.

18 Q Did the fluids group remain relatively
19 constant during that period?

20 A The research department was -- people working
21 in the fluids group was fairly constant during that
22 time.

23 Q What about the rest of --

24 A We added people, but I don't think very many
25 left the group.

1 Q What about the rest of these positions,
2 positions like marketing director, the marketing
3 managers --

4 A We would have to go back and document that
5 information year by year and position by position.

6 Q Do you think there's some way to document
7 that within the company?

8 A I would think there would be.

9 Q I have heard the name Pappageorge, I think it
10 is. I didn't hear you mention him. Does he fit into
11 this thing anywhere?

12 A Yes. Pappageorge was plant manager at
13 Anniston in the late Sixties. In 1970 or thereabouts
14 Pappageorge joined the fluids group and became -- I
15 don't know his exact title, but the environmental
16 manager or director, maybe director, to coordinate
17 environmental issues pertaining to the aroclors and to,
18 I think, probably fluids in general. But mainly
19 aroclors.

20 Q Was that a new position or did he succeed
21 somebody?

22 A It was a new position.

23 Q Do you know why that position was created?

24 A Yes. A concern that polychlorinated
25 biphenyls were being detected in the environment and

1 shouldn't be. And to make sure that Monsanto acted
2 responsibly.

3 Q Did you have any involvement at all in those
4 sorts of issues?

5 A I had involvement in those issues before --
6 particularly before Mr. Pappageorge came. And partial
7 involvement after he came.

8 Q Tell me about what your involvement in those
9 issues was before Pappageorge took on his role as
10 director or manager of environmental matters.

11 A Okay. Well, the polychlorinated biphenyl
12 issue I think first came to my attention in somewhere
13 around late '66, maybe '67, through reports from David
14 Wood, who was a marketing man in England.

15 Q Was he marketing any particular thing in
16 England?

17 A I think he had some responsibilities in the
18 dielectric area. Whether he had others -- he may have
19 had other responsibilities as well. Maybe fluids in
20 general.

21 Q Okay.

22 A And he was hearing and reading reports from
23 Sweden that Widmark and Jensen had thought they had
24 identified polychlorinated biphenyl in environmental DDT
25 samples.

1 Q Did you or someone else with Monsanto
2 undertake to determine whether or not in fact their work
3 did document PCBs in the environment?

4 A Yes, we did.

5 Q And what did you determine?

6 A Well, I think the first thing we did was
7 we -- one of the first things we did was to go to
8 Europe. And Keller, Wheeler and I went to Stockholm --

9 Q Okay. So you and Mr. or Dr. Keller --

10 A Yes. And Mr. Wheeler.

11 Q -- and Dr. Wheeler --

12 A No. Mr. Wheeler, it is.

13 Q Mr. Wheeler?

14 A Mr. Wheeler.

15 Q Dr. --

16 A Went to Stockholm and visited Widmark and
17 tried to talk to Jensen. We couldn't either find Jensen
18 or Jensen wouldn't talk to us.

19 Q What was the --

20 A And the other things we did were to begin to
21 monitor the literature. And Wheeler and Keller visited
22 a number of laboratories in the U. S. and around --
23 well, in England, Scotland that were monitoring
24 environmental chlorinated hydrocarbons. And the next
25 thing that we did was we said we should begin to check

1 out the reports and determine whether the reports were
2 valid and at what levels and so forth. So Keller began
3 to gather analytical equipment and an analytical team
4 that could begin to positively identify Aroclor along
5 with the DDT.

6 Q Let me stop you right there for just a
7 second. Dr. Keller had certain responsibilities for
8 technical areas that you did not and vice versa with
9 regard to functional fluids. Correct?

10 A Yes. Keller was the manager of applied
11 science --

12 Q Right.

13 A -- who did not all the analytical work, but
14 analytical work and development that was -- not all that
15 was done in organic, but a great deal of what was done
16 in the organic division other than myself.

17 Q Between the two of you, you basically
18 comprised the managers for almost all the technical work
19 done with regard to dielectrics and other functional
20 fluids containing PCBs. Is that correct?

21 A We covered the vast majority other than the
22 plasticizer applications which I mentioned earlier.

23 Q Who was responsible for the plasticizer
24 technical matters at this time?

25 A Early it was Farrar. Just when it was

1 transferred I'm not quite sure. Martin Farrar, though,
2 had the initial responsibility.

3 Q And you're not sure whether he still did at
4 the time you and Dr. Keller went to Sweden?

5 A I think we were before that. I think it was
6 transferred after that.

7 Q Okay.

8 Now, what was Mr. Wheeler's particular
9 specialty?

10 A Industrial hygiene.

11 Q And what is industrial hygiene?

12 A I think he better define it. There are
13 definitions, but I don't have them in front of me.

14 Q Why did you understand he went on this trip
15 with you and Dr. Keller?

16 A Well, he was representing both the medical
17 department and industrial hygiene was the closest to the
18 discipline that we needed for environmental at that
19 time.

20 Q I'm not sure I really understand your answer.

21 A Well, all -- medical always did all our
22 toxicity testing, all our issuances of what the toxicity
23 or safety handling and so forth. So it was natural
24 function that we should include a representative of that
25 department in trying to find out what the issues were.

1 Q Did you determine from your visit to Sweden
2 that there were health issues involved?

3 A No. We couldn't tell health issue or not. I
4 think Widmark was an analytical chemist basically.

5 Q You mentioned that when you got back you
6 began to monitor the literature. Do you know whether or
7 not anybody with Monsanto ever monitored literature
8 about products Monsanto produced prior to that time?

9 A The -- sure. The answer is yes. Marketing
10 would monitor, medical would monitor, research would
11 monitor. And certainly the three were responsible for
12 trying to keep track of what was happening around the
13 world.

14 Q So it was the intention, then, of the company
15 that somebody in the company monitor technical
16 literature that would relate to the chemicals it was
17 producing?

18 A That's right. And the library had -- usually
19 had a profile on research chemists, managers, group
20 leaders, on what they should be monitoring in terms of
21 what publications they should be reading. And you
22 wouldn't get the whole publication, but you would get
23 the title page, index pages of these journals, magazines
24 and so forth.

25 Q So that, for example, to the extent you had a

1 responsibility to monitor certain aspects of the
2 literature, you would receive the title page from the
3 appropriate journals each month and review it and decide
4 which articles you wanted to have a copy of?

5 A Yes, you tried to. You couldn't do a perfect
6 job.

7 Q Certainly.

8 A It was easy at first, but it eventually
9 became a large matter. And, of course, that's when I
10 think Pappageorge really took over part of the
11 responsibilities.

12 Q But prior to that you and somebody in the
13 medical department and somebody in the marketing
14 department each would have your areas of responsibility?

15 A We would try to monitor what was happening to
16 our products in the environment. We were also in
17 contact with government agencies. We were in contact
18 with places like Woods Hall, Scripps Institute,
19 Wisconsin Alumni Research Foundation. And we would
20 ferret out people who were doing analytical and
21 environmental work in the areas of small -- detecting
22 small concentrations.

23 Q Now, had that been a responsibility you had
24 had ever since you became a manager in 1963?

25 A Yes. It was an implied responsibility to

1 know as much about the product of the competition, our
2 customers, as we could. I don't think it had been an
3 issue. We didn't -- we really didn't think that Aroclor
4 was an environmental hazard. At least, I was certain
5 that we were not aware of even a potential problem until
6 the Jensen situation.

7 Q Let me phrase my question slightly more
8 broadly. There have been publications that relate to
9 different aspects of what seems to be matters the
10 company covers for long periods of time. For instance,
11 I take it the medical department has been present at
12 Monsanto for a long period of time.

13 A Right.

14 Q And from what you tell me apparently has had
15 some level of responsibility with regard to certain
16 aspects of things that relate to PCBs, like toxicity and
17 the like.

18 A They did work early.

19 Q And was that --

20 A Before my time.

21 Q Yes. Was that department responsible for
22 monitoring things that would relate to their work before
23 the environmental issue came to the fore in 1966?

24 A Sure. They would try to keep track of
25 hygiene issues or plant safety issues, sure.

1 Q Now, you mentioned earlier, I just want to
2 make sure I understand correctly, you didn't just
3 monitor the American literature, you also monitored
4 foreign literature as well?

5 A We tried to. We had representatives in
6 Japan, John Durland, who was available for information
7 from there. Sweden, England. Tried to monitor the
8 continent -- what was coming out of Holland.

9 Q So just about every place that you had a
10 representative on behalf of Monsanto you would also be
11 monitoring the technical literature in that locale?

12 A Well, if -- if we were sensitized to it. We
13 didn't read everything. It's impossible. But people
14 became sensitized to it and I think we began to do a
15 pretty decent job of coverage.

16 Q What did you personally do with the things
17 that you monitored?

18 A There was a committee, an informal committee,
19 of Keller, Wheeler and myself. We tried to meet
20 periodically, exchange information and plot a course of
21 action that would make Monsanto responsible and
22 responsive to the environmental or potential
23 environmental problems.

24 Q Was this committee ever recognized in any
25 formal way or just three guys trying to work on a

1 problem?

2 A No, I think we -- I think we had some sort of
3 recognition. How formal it was I don't know. But
4 certainly we reported the information to the business
5 manager, the business director. I think we also were in
6 contact with marketing people. As part of the
7 monitoring of outside -- Scripps and institutes, we
8 would talk to those people. We invited those people in
9 to tell us what they knew.

10 VIDEO OPERATOR: Excuse me. I need to go off
11 the record for a minute.

12 [Recess]

13 VIDEO OPERATOR: We're now back on the
14 record.

15 MR. LACEY:

16 Q What sort of people did you have come in and
17 advise you about their knowledge?

18 A We had people who were monitoring the
19 environments of oceans, of air. We didn't know much
20 about fish toxicity. I think medical asked a lot of
21 questions about testing procedures in fish.

22 We had Mr. Riseborough come in and tell us
23 what he had found. Dr. Riseborough probably.

24 We had WARF come in and tell us what they
25 were doing in the Great Lakes.

1 Keller visited an environmental lab in
2 Scotland doing monitoring work on chlorinated residues,
3 fish and wildlife.

4 We consulted with people at University of
5 Illinois in the environmental area, Metcalf.

6 We consulted with Mailer at Marquette,
7 biological enzymes, which we really couldn't do much
8 with. Talked with him.

9 I think these were some of the people that
10 either Elmer talked with or I talked with, or Keller.
11 Some combination.

12 Q When you say talked with, did you have a
13 formal presentation made or was it just a phone call in
14 some cases --

15 A No, no. These would be face-to-face
16 contacts.

17 Q Okay.

18 What percentage of the people who were
19 publishing in the field with regard to PCBs after 1970
20 did people at Monsanto contact directly?

21 A After 1970?

22 Q Uh-huh.

23 A I can't give you the time. I think we were
24 doing this more in '68, '69. But Pappageorge would
25 probably know a better record after '70, after he took

1 over.

2 Q After Pappageorge got involved, did your
3 involvement in that type of concern about environmental
4 matters and health effects go away and that became his
5 area and not yours anymore, or did you stay interested
6 in that area?

7 A My concern did not drop, but the amount of
8 detailed work I did in that area slackened.

9 Q Were you involved in the decisions by
10 Monsanto to limit the uses for which PCBs would be sold?

11 A I had some input to that. But the policy was
12 really set down by John Mason and then carried up and
13 down from there. He was Bergen's boss at the time,
14 around 1970.

15 Q And if I understand correctly, at that point
16 we're talking about somebody who is over the business
17 director.

18 A Yeah. He's like an assistant general manager
19 or whatever next to the head of the whatever it was, the
20 MIC company at that time or organic division, I'm not
21 sure which at that moment.

22 Q But he was at the level of --

23 A One above the business director.

24 Q So he would be responsible for more areas
25 than --

1 A And had more say in the policy formulation.

2 Q And it's your understanding that it was Mr.
3 Mason who was the person who we might call the architect
4 of that approach?

5 A At least the gatherer and presenter and
6 consolidation of thoughts of what recommendations should
7 be made, should be done.

8 Q Do you know who made the initial
9 recommendation for that?

10 A Well, I was involved in earlier -- some
11 earlier recommendations.

12 Q Tell me about that.

13 A But I didn't certainly have a final say in
14 anything.

15 Q Tell me about the early recommendations.

16 A Well, the early recommendations, after we
17 decided that chlorinated biphenyls were really out there
18 as part of the DDT residues or part of the chlorinated
19 burden in the environment, was to cut back on production
20 and try to confine the applications so that they would
21 not get out in the environment. These were industrial
22 products. They were never intended to be out in the
23 environment. It wasn't like spraying orchards with DDT
24 and things like that. So we wanted to confine it.

25 We also began to make substitute products

1 which were more biodegradable.

2 In the case of Aroclor, we introduced 1016 to
3 the dielectric capacitor market.

4 In the case of Pydraul fluids, we formulated
5 away from those containing chlorinated biphenyls.

6 In the case of heat transfer, we stopped the
7 applications first in food and then finally the total
8 use of fire resistant hyd -- fire resistant heat
9 transfer fluids.

10 We promoted incineration, return of
11 chlorinated biphenyls.

12 We promoted the idea of reclaim fluids so
13 they would not be disposed of in a wrong manner.

14 We did all sorts of things with the
15 distribution system. Pappageorge can tell you more
16 about that.

17 We introduced more toxicity testing,
18 long-term toxicity testing.

19 Q I guess my question was specifically what you
20 did.

21 A Well, I was involved in all of this.

22 Q These were all your recommendations?

23 A Well, I was involved in most of them.

24 Q Okay.

25 A I did not make any one decision. But they

1 would be brought up by the informal committee, discussed
2 and decide whether they're practical or not. Then it
3 would -- it was -- really, it was -- that's what Mason
4 did, he would take the recommendations of the business
5 director and so forth and pull this all together.

6 Q What I'm trying to find out about is what
7 specific things you personally recommended in response
8 to the problem, if anything.

9 A Well, Wheeler and I and Keller went to
10 Industrial Biotest. I think it was medical's call that
11 we should do more long-term testing. The research
12 department agreed that we would do all the analytical
13 testing on animal tissues and so forth, specimens which
14 were sent back. The main reason was that we had the
15 analytical capability and we wanted it under our thumb,
16 so to speak, under our authority.

17 I made recommendations asking, really,
18 questions in the area of hydraulics.

19 I didn't play much of a job in -- much of a
20 part in the Thermonol situation. That was somebody
21 else, maybe marketing, to cease the FDA.

22 I was involved in providing the FDA with
23 samples, analytical samples.

24 It was my responsibility after the
25 plasticizer group -- Monsanto had decided not to sell

1 Aroclor to carbonless carbon paper because we couldn't
2 control or they couldn't control the distribution of it.
3 So myself and my group leaders substituted -- got the
4 substituted product, we synthesized it, got it accepted,
5 built a plant. It took us about -- it's the shortest
6 job I've ever had in terms of from time of
7 responsibility to plant. I think we did it in less than
8 a year.

9 I was responsible all for the reformulations
10 away from Aroclor and hydraulic.

11 I think I was the first to recommend
12 incineration, although I didn't approve the final
13 expenditures. That was up the line.

14 Q Let me go back and go through the specific
15 things you've indicated. With regard to the Industrial
16 Biotest, let me first understand this analytical testing
17 you mentioned. Now, what did that involve?

18 A We wanted to do tissue analysis for aroclors,
19 chlorinated biphenyls.

20 Q And where were these tissue samples coming
21 from?

22 A They were coming from Industrial Biotest.

23 Q How was the work between Industrial Biotest
24 and Monsanto coordinated?

25 A Coordinated through the medical department.

1 Q Who did that?

2 A Elmer Wheeler was the main contact.

3 Q How was Industrial Biotest selected?

4 A The initial toxicity was done by Younger
5 Laboratories in Cutes. And Industrial Biotest was one
6 of the -- one of the companies that Monsanto used for
7 long-term, lower-level feeding studies. Monsanto had
8 used Industrial Biotest for other products.

9 Q Do you know what other products?

10 A Not specifically, no.

11 Q When you and Mr. Wheeler and Dr. Keller went
12 to Industrial Biotest, who did you meet with?

13 A We met with Dr. Fancher and we met with
14 Calandra, the head of it, briefly. But the protocols
15 were, I think, really between Wheeler and Fancher.

16 Q When you talk about protocol, what do you
17 mean?

18 A Well, this is an agreement to test the
19 products in a certain way and certain animals for
20 certain lengths of time.

21 Q So the decision about how to conduct the
22 testing and how long to test it and what animals to use
23 was one that Monsanto participated in?

24 A Yes.

25 Q Why did you go and participate in that

1 meeting?

2 A Well, the research department was going to
3 play a part. We were going to foot part of the bill,
4 maybe all of the bill. We were trying to learn as much
5 as possible. I think those are the three major reasons.

6 Q When was it that this meeting took place?

7 A I don't know exactly. '68, somewhere along
8 in there. '69 maybe.

9 Q Did you have any information after this
10 initial meeting about what the result was in
11 establishing the protocols, how the studies were to be
12 done?

13 A Yes. I think we received copies. I'm not
14 sure I went up there. But the analytical people -- I
15 think Scott Tucker was -- who worked for Keller was
16 probably the closest to the actual detailed work,
17 analytical work, receiving samples, storing samples,
18 doing the hard part of the physical correlations and
19 reporting the results.

20 Q Was he also involved in actually conducting
21 the test on the samples?

22 A Yes. The analytical part. Not the animal-
23 feeding studies.

24 Q Well, can you explain the difference between
25 the animal-feeding studies and the analytical studies?

1 A Yes. The animal studies and the feeding and
2 so forth were done by Industrial Biotest. And then they
3 would section the animals and -- chickens, rats, dogs.
4 I'm not sure whether any other animals. But those
5 three, anyway. And then they would freeze samples and
6 send them to St. Louis. And our people would extract
7 and measure the Aroclor and as much about the chlorine
8 contents as we could at that time.

9 Q So that actually involved destruction of the
10 tissue sample in the process of testing it?

11 A Well, I guess they probably -- yeah. Have to
12 ask them.

13 Q That's --

14 A Extracting methods.

15 Q You're not aware of how they did that?

16 A Not in precise detail, no.

17 Q And that wasn't actually done in your
18 department?

19 A It was done in Keller's department.

20 Q Right.

21 Were you involved in interpreting the results
22 of the work done by Industrial Biotest?

23 A No. Other than the analytical. We looked
24 pretty carefully at the analytical results. And we
25 looked at the -- some of the studies were made such that

1 we would feed for a while and stop feeding and find out
2 how fast the animal would eliminate and what isomers
3 would be eliminated. We looked at that kind of
4 information. The pathological stuff, the toxicity, the
5 animal behavior, the weights and all that stuff were
6 Industrial Biotest and were reported to the medical
7 department.

8 Q Okay.

9 From the reviews that you made of the
10 analytical testing of materials supplied by Industrial
11 Biotest, what conclusions did you personally reach?

12 A I reached the conclusion that the -- in
13 general, the higher chlorinated biphenyls, and I'm
14 talking about 54 and 65 and 6, were retained longer and
15 probably built faster than the lower chlorinated ones,
16 the 2s and 3s and some of the 4s. And this seemed to be
17 at least consistent with what was happening in the
18 environment itself.

19 Q Did you reach any other conclusions besides
20 that?

21 A I think that the other toxicity information,
22 on chickens we were accused of eggshell thinning and
23 things like that. I didn't see any evidence of that.
24 The other interpretation was left to the medical
25 department.

1 Q And you don't have any opinion about that one
2 way or the other?

3 A No. We would listen -- try to listen to the
4 medical department and listen to their interpretations.
5 But we weren't really qualified to either argue or to
6 know all the innuendos of the toxicity testing.

7 Q This business of providing the FDA with
8 analytical samples, did that involve anything more than
9 responding to letters by sending vials or whatever the
10 container was of PCBs to them?

11 A I think recording the samples, make sure we
12 had retained samples, try to meet their requirements in
13 terms of what they wanted. I think we also visited the
14 FDA laboratory. I was there at least once. Other
15 people were down there trying to exchange information on
16 test methods that they were developing and what we were
17 developing.

18 Q With regard to the development of this
19 substituted product for the carbonless carbon paper, had
20 you made any efforts at all to change the formulation of
21 the chemical used for that prior to the development of
22 these problems in the late 1960s?

23 A I had not -- personally, right? I had no
24 involvement. There were -- in the United States,
25 Aroclor was selected by National Cash Register, the

1 inventor. In other areas -- I'm not sure of the timing,
2 but Wiggins Teeth in England had used HB 40, an
3 hydrogenated terphenyl. But there were different
4 systems. They had different systems and different
5 philosophies.

6 Q So that from the work that your group did you
7 were essentially starting from scratch?

8 A No. When we took over, the plasticizer group
9 had already begun work on a substitute. It was
10 isopropyl biphenyl, and all-hydrocarbon fluid, which was
11 not satisfactory as far as NCR went. So we had to
12 invent a new class of compounds.

13 Q I guess I'm trying to find out, from the time
14 somebody started on that project with Monsanto until it
15 was completed, how much time elapsed.

16 A Well, from the time we got a satisfactory
17 answer to NCR's problem, it was less than a year, I
18 think.

19 Q Okay. So from start to finish we're talking
20 less than one year?

21 A About a year for the satisfactory product.

22 Q Okay.

23 A How long the isopropyl biphenyl was a partial
24 substitute I don't recall. Maybe six months to a year.

25 Q What about the reformulation of hydraulic

1 fluids away from Aroclor? How long did it take to get
2 that problem resolved?

3 A It took about -- oh, about a year to get
4 Aroclor out. We substituted chlorinated terphenyls
5 first, and then we eventually went to a phosphate ester
6 system. That took about another year.

7 Q So within two years you were to a substitute
8 product that was satisfactory?

9 A Well, the second product probably was
10 satisfactory in a way. But I think the environmental
11 people were happier in not having any chlorinated
12 hydrocarbons out there. That's not necessarily true,
13 but certainly chlorinated aromatics, chlorinated
14 naphthalenes are there, chlorinated paraffins are there
15 still, chlorinated benzene is still there. But we were
16 trying to anticipate and make the best product we could.

17 Q With regard to the recommendation for
18 incineration, that was for the purpose of destroying
19 PCBs?

20 A It was the purpose for destroying PCBs and
21 providing our customers and our users with a way of
22 disposal of material that they were -- had been
23 contaminated or they couldn't use, yes.

24 Q That recommendation was adopted by Monsanto?

25 A Yes.

1 Q And Monsanto actually engaged in a program of
2 destruction of PCBs for customers?

3 A They did.

4 Q Was there any charge for that service?

5 A That's a question for Pappageorge. I'm not
6 quite sure what the answer was. I think maybe a nominal
7 charge or something.

8 Q Did anybody in your group suggest that
9 Monsanto immediately cease production of PCBs?

10 A Cease production?

11 Q Uh-huh.

12 A It might have been suggested. But it was
13 never considered seriously. If you talk about a very
14 short time interval, I'm sure that it was considered as
15 a phase-out. It was considered as a phase-out. And in
16 conjunction with our customers.

17 Q Who suggested that you immediately cease
18 production of PCBs?

19 A I don't know specifically. But probably many
20 people at one time or another suggested it.

21 Q Do you know who at Monsanto suggested it?

22 A I don't know specifically.

23 Q Do you know that that recommendation was in
24 fact made, however, by people at Monsanto?

25 A I don't know there was ever any formal

1 recommendation such as that.

2 Q Well, whether it was formal or informal --

3 A It depends on the time interval.

4 Q Well, as I understand it, Monsanto said that
5 it was going to cease production for uses like the ones
6 you described, plasticizers and carbonless paper and
7 things of that sort.

8 A Well, cease production is one thing. But
9 cease to sell to that application, I think that's --
10 that's more correct. Cease production was another
11 situation.

12 Q Well, do those things go hand in hand or --

13 A Not necessarily. You would cut production.
14 But you would not necessarily cease production.

15 Q Well, why would you still be producing if you
16 weren't selling for that application?

17 A Well, if you're selling Aroclor 1242 for
18 dielectrics and you're selling it for NCR paper,
19 carbonless carbon paper, you produce for electrical and
20 you cut back production and you just don't sell to the
21 user that you can't control -- or he can't control the
22 environment.

23 Q So, actually, the program that Monsanto
24 instituted was one that would limit sales and then have
25 the production match the demand for those uses it had

1 not limited sales for?

2 A Yes. That's closer to the picture.

3 Q And one -- it is not true, then, that one can
4 look and identify particular Aroclor production as being
5 for this use and another type of Aroclor production
6 being for another use? That's not the way it worked?

7 A That's not the way it worked.

8 Q Okay. In fact, was one plant dedicated to
9 production of aroclors for one particular type of use
10 and the other plant designated for production of
11 aroclors for the other type of use?

12 A There were not a -- there was not a complete
13 match, but they -- both Anniston and Krummrich both
14 produced electrical grade. You would have to examine
15 the detailed records point by point for just the other
16 grades that were made and when and where.

17 Q Okay.

18 And as I understand what Monsanto did, it did
19 not decide, at the time it stopped selling for the
20 applications like the carbonless paper and the
21 plasticizers and these other applications, that in two
22 years or three years or five years it would stop selling
23 for the applications like dielectric fluid. Isn't that
24 correct?

25 A Would you repeat that, please?

1 Q Yes. As I understand it, when Monsanto made
2 the decision to stop selling PCBs for applications like
3 carbonless paper, plasticizers, heat transfer fluids and
4 the like, they did not at the same time make the
5 decision to stop selling PCBs for dielectric use at any
6 point in time.

7 A The points did not coincide in time. The
8 electrical use was continued because the Westinghouse
9 and General Electric and the government said they didn't
10 have substitute products and therefore Monsanto should
11 continue until they at least got substitute products.
12 So it was not -- in any of these cases it's not
13 necessarily Monsanto's decision alone, it's Monsanto's
14 decision plus the customer, all usually big companies,
15 that would try to reach an agreement, yes, we will
16 substitute, we will stop. But it's always a Monsanto
17 customer relationship that is important, or sometimes a
18 Monsanto-government agreement of what will be done.

19 Q Are you telling me that Monsanto didn't have
20 the ability to stop PCB production on its own?

21 A Well, I don't know all the legal
22 ramifications in contracts that would be involved. But
23 in general we tried to be considerate of the customer,
24 the economy, what the government wanted. Those three
25 things.

1 Q Well, is it your testimony that Monsanto
2 would sell PCBs for any application where there was not
3 a substitute?

4 A You're getting into a policy decision and
5 area that belongs to Mason when you get into the fine
6 points.

7 Q Well, I'm trying to find out what your
8 understanding as a person making recommendations and a
9 part of this group --

10 A My recommendation was to limit the
11 applications to closed systems. That's easy to say.
12 You -- you try to minimize the exposure, you try to get -
13 all your customers to reach that point, and you find out
14 that they can or can't, then you make a further
15 decision.

16 Q Were you involved in any of those further
17 decisions about --

18 A The detailed decisions at that time were
19 probably made and came in more from marketing as to what
20 customers were doing, and the business decision and the
21 business director and John Mason, than the research
22 department at that stage.

23 Q And the primary closed use or closed system
24 that you sold for or that you would recommend selling
25 for was the dielectric use, was it not?

1 A Yeah. And transformers in particular.

2 Q That's a dielectric use, is it not?

3 A Yes.

4 Q And the --

5 A We substituted Aroclor 1016 to minimize the
6 environmental load in capacitors during the interim
7 period.

8 Q The marketing person there who was directly
9 responsible was Mr. Benignus?

10 A He was the manager. The still more
11 responsible man would be the director of marketing and
12 still more responsible would be Bergen and still more
13 responsible would be Mason and still more responsible
14 would be some combination of law and Pappageorge
15 being -- having some input.

16 Q Can we go on up the chain till we get to
17 the --

18 A I run out of -- I run out of -- at Mason I
19 run out of any kind of knowledge.

20 Q But I mean we could, I guess, go on up the
21 chain --

22 A Theoretically you could go to the president,
23 I guess, or chairman of the board, I guess.

24 Q Okay.

25 A Or maybe the board of directors.

1 Q But in terms of being aware of how customers
2 were actually using PCBs and their ability to have the
3 closed system that you recommended, that was something
4 that would come back to a person like Mr. Benignus, who
5 had direct contact with the customers?

6 A He would be their prime contact.
7 Pappageorge, too. I visited a couple of the big plants,
8 tried to educate some of our customers what Monsanto was
9 doing. We did not try to run their operations. We
10 couldn't. We didn't know how to manufacture capacitors
11 or manufacture transformers, run an automobile shop or
12 whatever.

13 Q What customers did you visit?

14 A I visited General Electric at Hudson Falls;
15 General Electric at Pittsfield, Mass; Westinghouse at
16 Bloomington, but I didn't see all the plant. I think
17 those are the principal places that I went on this kind
18 of operation.

19 Q When you visited the GE plant at Hudson
20 Falls, who did you meet with?

21 A Probably briefly with the plant manager. But
22 I don't know the people that were in charge of
23 manufacturing. Engineers that were involved in the
24 manufacturing process. But I don't recall their names.

25 Q Did you go into the plant area itself?

1 A Yes.

2 Q What did you observe there?

3 A We observed them manufacturing capacitors,
4 small and big capacitors.

5 Q And did the use seem to meet your definition
6 of the closed system?

7 A It could have met the closed system.

8 Q Did it meet it?

9 A We measured effluents from that plant, which
10 is what we were concerned with, and there were PCBs in
11 the effluents at that time. What they did subsequently
12 I'm not sure.

13 Q Do you recall about when it was that you went
14 to the GE Hudson Falls plant?

15 A I don't recall specifically.

16 Q What about the Pittsfield, Massachusetts,
17 plant?

18 A Same kind of visit, same time frame.

19 Q Same interest in the effluents of the plant?

20 A Yeah. Analytical methods. Make sure they
21 had them.

22 Q What about the Westinghouse plant at
23 Bloomington?

24 A I'm not certain of that. I was over there
25 and I think we were more on 1016 than we were on plant

1 effluent.

2 Q I'm sorry.

3 A Aroclor 1016. I think we were talking
4 Aroclor 16 rather than doing anything on plant
5 effluents.

6 Q So you're not sure if when you visited the
7 Westinghouse plant --

8 A I did not see the Westinghouse operation in
9 detail.

10 Q So you don't know whether or not they were
11 operating in a way that would meet your definition of a
12 closed system?

13 A No, I don't.

14 Q Do you have any reason to have an opinion one
15 way or the other?

16 A I don't have an opinion.

17 Q Do you know if anybody else visited the
18 Westinghouse plant in Bloomington to determine whether
19 or not the operation met the closed system --

20 A I don't know specifically.

21 Q Who was it who recommended that the sales
22 contracts between Monsanto and people like GE and
23 Westinghouse be modified to allow Monsanto to cease
24 sales if the PCBs were not being handled in a way
25 acceptable to Monsanto?

1 A That would be a business-legal-marketing
2 decision.

3 Q Did you have any input on that?

4 A None.

5 Q Were you present at any meeting where
6 recommendations like that were made?

7 A None. No.

8 Q Were you aware that that was done?

9 A Just only after the fact, I think.

10 Q When did you first become aware of that?

11 A I couldn't tell you.

12 Q Ten years ago or --

13 A I don't know.

14 Q Not within the last week or two?

15 A I haven't had anything to do with PCBs since
16 19, roughly, 74.

17 Q And you knew it back then?

18 A Yes.

19 Q Okay.

20 In terms of what you've previously indicated
21 was the decision by Monsanto to continue to sell PCBs
22 for certain uses like dielectric uses because there were
23 no substitutes, did you have any problem reconciling
24 that position with having a contractual clause that
25 would provide for stopping sales anyway?

1 A I was not involved in that kind of decision,
2 operation.

3 Q You never thought about that at all?

4 A I didn't -- didn't participate and just
5 accepted what -- whatever was given back to us, that's
6 all.

7 Q Okay. Without thinking it through in any way
8 or wondering about it. Correct?

9 A It's up to the legal-marketing-business
10 decision on that score.

11 Q With regard to the development of substitute
12 fluids, was that a matter that was left to the customers-
13 to take care of?

14 A Would you rephrase the question, please?

15 Q Surely. When it came to developing
16 substitute electrical dielectric fluids, was that a
17 matter that the customers were to come up with or was
18 Monsanto involved in doing that?

19 A Both sides.

20 Q In other words --

21 A Monsanto did and GE was also working.

22 Q When did Monsanto first develop a substitute
23 fluid that could have been used in capacitors and
24 transformers had PCBs not been available?

25 A We worked on 1016 -- okay? -- and that was

1 introduced. Non-PCB fluids, we worked on diphenyl
2 sulfones, aromatic sulfones and hydrocarbon mixtures.
3 These were introduced to the dielectric capacitor
4 people. Some testing went on, some tested, some did
5 not. And that's about the time when those -- I had been
6 phased out when those things started to be taken to the
7 marketplace, I was no longer part of the projects, so I
8 don't know specifically what happened.

9 Q But that became available as an alternative
10 at about the time you left functional fluids?

11 A '74, '75, '76, somewhere -- somewhere in
12 there.

13 Q Okay.

14 A It probably was somewhat after I left that
15 development wanted -- these were available.

16 Q Do you know whether anyone else besides
17 Monsanto had succeeded in finding an acceptable
18 substitute before that time?

19 A General Electric were working on phthalate
20 esters, had been working on phthalate esters. I don't
21 know the specifics.

22 Q Who would be aware within Monsanto of when
23 substitutions started being made for PCBs in dielectric
24 use?

25 A I don't know.

1 Q Were there any uses of PCBs developed by your
2 group, new uses I mean, after you came to that group in
3 1963?

4 A I think the answer is, we thought of some but
5 didn't go out with any.

6 Q Why not?

7 A I think we felt they were not satisfactory
8 applications.

9 Q What do you mean by that?

10 A That they would really not do a job, not be
11 unique. Not fulfill a unique purpose. We were after
12 things that were unique to Monsanto and would fulfill a
13 particular marketing need.

14 Q What was the interest in having products that
15 were unique to Monsanto?

16 A Well, we wanted to support a specialty
17 product group, make it a specialty product group so it
18 would be small -- essentially small volume and have a
19 unique use.

20 Q Is there some particular business reason for
21 that?

22 A Well, some people like to go for large
23 volume, and it just turns out the fluid business is in
24 general smaller volume and it's better to have a unique
25 place in the industry, marketplace. Have a lot of

1 research to be done, a lot of application work. If it's
2 not unique, you can't support the application work
3 usually.

4 Q Would you explain what you mean by that? If
5 it's not unique you can't support the application work?

6 A Well, if the situation is -- you've got five
7 or ten suppliers, it's unlikely -- and they're all
8 equally divided in volume, it's unlikely that any one
9 can do a very good job. Pricing gets so low that you
10 can't put very many people on the project, maybe you
11 can't even support a research person.

12 Q So with a unique application there's a
13 pricing arrangement where you can support more
14 researchers and the like?

15 A If the application is sufficiently in volume
16 and you're fulfilling that need in a way it should be
17 fulfilled, yes.

18 Q Was --

19 A It becomes an engineering value rather than a
20 straight chemical value.

21 Q Was the PCB application one that would
22 support several researchers and the like?

23 A Well, we only had one. And two technicians.
24 So gross margins were not -- not tremendous.

25 Q The total number of people you had working on

1 PCBs --

2 A No, not PCBs. You said dielectrics, I
3 believe. Is that right?

4 Q No, I asked about PCBs. Or I meant to if I
5 didn't.

6 A I guess we had as many as 10, 12 working on
7 substitute products.

8 Q Is that a fair number of people for a
9 relatively small-volume business as you've described it?

10 A It's as many as I could beg, borrow and
11 steal.

12 MR. LACEY: Okay.

13 MR. SHOEBOOTHAM: If this is a convenient
14 place, can we go off the record just a second?

15 MR. LACEY: Sure.

16 [Recess]

17 VIDEO OPERATOR: We're now back on the
18 record.

19 MR. LACEY:

20 Q Dr. Richard, let me show you a document
21 marked as 30 and ask you if you can tell me what this
22 is.

23 A It's a page that gives the physical constants
24 on Aroclor 1242, and the date is 4/57.

25 Q And what are physical constants?

1 A These would be physical properties.

2 Q Are those the result of a test actually
3 performed on the material as of that time?

4 A It's before my time. Made by Ellenberg.
5 That's all I can say about it.

6 Q Okay. Were there physical constants data
7 sheets that were made at the time that you were
8 associated with functional fluids?

9 A We provided information so that a chart like
10 that might be made on various products. I don't know
11 the specific ones. Measure physical constants.

12 Q Who actually prepared these charts?

13 A Presumably the man signing it.

14 Q Well, I guess --

15 A I never knew Ellenberg, so -- I knew the
16 name, but that's it.

17 Q During the time that you were in the
18 functional fluid group with regard to the technical
19 matters you dealt with, what department or what group
20 prepared these physical constants data sheets?

21 A It was often marketing. It provided -- the
22 information would come from literature or from actual
23 measurements that we might do or physical laboratories
24 at Monsanto might do or could be done from the outside
25 if we didn't have the equipment to do it.

1 Q Do you know how often these physical
2 constants data sheets were revised?

3 A No, I don't. I'd have to make a direct study
4 of it.

5 Q Let me show you Document 508 through 511 and
6 first ask you: Have you seen that before?

7 A I don't recall having actually seen these
8 five pages. Is I probably saw some of the data, but
9 that's not -- I don't think I saw this document per se.
10 I don't recall it.

11 Q That document deals, does it not, with the
12 experimental MCS1016?

13 A That's correct.

14 Q And that was a material that your group
15 worked on. Is that correct?

16 A That's correct.

17 Q Who in your group was responsible for the
18 1016 work?

19 A Dr. Munch was the -- I'd say the principal
20 person involved. But he had help from other sources.

21 Q The document has what appears to be a form of
22 letter, and attached to it are some materials that
23 relate to, according to the headings, Capacitor
24 Dielectric, MCS1016 and Aroclor 1242. What's the
25 relationship between the MCS1016 and Aroclor 1242?

1 A Would you explain a little bit more about
2 what you mean by relationship?

3 Q Well, why are those two things appended to
4 that document together and how does one relate to the
5 other, if at all?

6 A MCS1016 was intended to be a dielectric fluid
7 that would be used in place of Aroclor 1242 with less
8 higher chlorinated isomers in it and therefore less of a
9 load on the environment if it got out.

10 Q Now, let me show you for comparison purposes
11 again Document 30, which relates to Aroclor 1242, and
12 have you compare that with the information on Aroclor
13 1242 attached to Document 508 through 511.

14 A What about it?

15 Q Would you turn to the section of Document 508
16 and following that contains the data on Aroclor 1242?
17 There is a listing of properties there, is there not?

18 A Yes.

19 Q That's Sheet No. 511. Would you compare the
20 listing of properties on Sheet 511 with the listing on
21 Sheet 30?

22 A Do you want me to go down point by point?

23 Q Really, I just want you to scan it, I
24 suppose, at this point and tell me if there are any
25 significant differences.

1 A It would take me quite a bit of time to go
2 through point by point.

3 Q Can you look at it long enough to see if
4 there are any significant differences? You need not to
5 mark on those if you can avoid that.

6 A There may be differences, but I would prefer
7 to do this quietly and compare point by point.

8 MR. LACEY: That's fine. Feel free to do
9 that. Just don't mark on the originals, if you would.

10 MR. SHOEBOOTHAM: Why don't we do this.

11 Will it take you a couple of minutes to run
12 that analysis?

13 THE WITNESS: It will take me more than that,
14 because I've got to look through 30 items and see
15 whether the items are the same or different.

16 MR. SHOEBOOTHAM: Let's let Dr. Richard go off
17 camera to go through that.

18 MR. LACEY: Certainly.

19 [Recess]

20 VIDEO OPERATOR: We're now back on the
21 record.

22 MR. LACEY:

23 Q Have you had a chance to compare the data on
24 30 with the data on 511?

25 A I've looked at it.

1 Q Okay. What differences did you note?

2 A Some of the properties measured are
3 different, test methods are different, some of the
4 properties emphasized are different. The main
5 difference is that just one is just typical. It's --
6 when you say typical, it has less than official meaning.
7 I don't think this was meant as a physical constants
8 sheet in the same sense that Ellenberg prepared this.
9 Differences are 1957 to '62. Those are the differences
10 I see.

11 Q Is one more technical than the other?

12 A I'm sure they were prepared for different
13 reasons.

14 Q What is the purpose of a physical constants
15 data sheet?

16 A There are many reasons. One could be
17 engineering, building a plant, one could be for setting
18 up specifications. You pick certain physical constants
19 that you might want to monitor. Some might be for
20 design, some might be for the intended use so that the
21 user could calculate whether he was interested in the
22 fluid. There would be many reasons.

23 Q Can you tell from the Document 508 through
24 511 what the purpose of the sheet attached to it as 511
25 was?

1 A I think that's a question for Mr. Benignus,
2 who wrote the letter. I think that would be the best
3 question.

4 Q My question is: Can you tell? Or is it too
5 obtuse to know?

6 A Well, the letter says that he was writing
7 this to introduce Aroclor MCS1016, possibly to the
8 capacitor people. It's not addressed to any particular
9 person or company. I don't know where it was sent.

10 Q But it is apparent, is it not, that that
11 sheet on 1242 that's attached as 511 was to be sent to
12 potential customers or customers?

13 A You'll have to ask Benignus on that.

14 Q You don't know?

15 A I don't know.

16 Q Okay.

17 Were physical constants data sheets supplied
18 to customers like that one marked 30?

19 A This again is a question for details of
20 Benignus. I did not normally send out material to
21 customers.

22 Q You just don't know?

23 A I don't know.

24 Q Okay.

25 On Document 30 there is given a formula, is

1 there not?

2 A Says formula on the sheet.

3 Q And what is the formula that's given?

4 A It's an empirical formula for trichlor --
5 probably for trichlorbenzene -- trichlorbiphenyl. But
6 you can't really tell. It's -- you know, it's just
7 that. It's an empirical formula.

8 Q Well, we can -- or can you tell as a chemist
9 what chemical that is?

10 A It's just for an average chlorine content of
11 3. I'm sure Ellenberg knew that it was a series of
12 mixtures and isomers and so forth.

13 Q That data sheet doesn't indicate that,
14 though, however, does it?

15 A The formula does not indicate that. Just an
16 empirical formula.

17 Q Well, if one were to look at that formula,
18 one would conclude that Aroclor 1242 was made up
19 entirely of PCBs containing three chlorine atoms to each
20 molecule, wouldn't they?

21 A You might imply that if you didn't know the
22 background and the chemistry of 1242.

23 Q And what would the actual formula for 1242
24 be?

25 A It would be complicated to figure it out. I

1 don't know the exact empirical formula.

2 Q Would the exact empirical formula vary even
3 from batch to batch?

4 A Depends on how precise you want it. How many
5 decimal places you carried out the hydrogen chlorine
6 valence.

7 Q It would not necessarily be perfectly
8 identical, I take it.

9 A Well, it depends on how many places you were
10 talking about. If you're just talking to the nearest
11 place, nearest number, it would probably be pretty
12 accurate and constant. If you're talking about six or
13 eight places out, it may be different.

14 Q Let me show you Document 1473, 1474. Do you
15 recognize this form of document?

16 A Not specifically. I see it's marked an OSHA
17 form, OSHA 20, May 1971. I don't think I ever
18 particularly remember anything about OSHA documents or
19 filling them out.

20 Q Okay.

21 Are you familiar with the specific blending
22 of particular Inerteens or Pyranols?

23 A I'm not familiar with the details of the
24 blending. I know we did blend some mixtures for both GE
25 and for Westinghouse.

1 Q But what those blends were and why they're
2 done you do not know?

3 A Oh, well, yes, I know some were blended for
4 transformers; some were blended for stabilizers when
5 they were requested.

6 Q Let me show you Document 2026, which is a
7 part of a document beginning at 1967 and going to 2051,
8 where there is given at the bottom there the blending
9 for Pyranol 1467 and 1470, both of which apparently are
10 transformer oils. Are you familiar with a particular
11 reason for the different formulas there?

12 A When it says Pyranol, that would mean that GE-
13 was calling the shot on what blends they wanted.

14 Q Okay. And that would not be something, then,
15 that would be developed by your group?

16 A No.

17 Q Let me ask you about Pydraul A-200. Are you
18 familiar with that product?

19 A Yes.

20 Q What was Pydraul A-200?

21 A A blend of two aroclors. I think the two
22 were 1242 and 1248, possibly 1254 and 1242. I'm not
23 sure which.

24 Q And do you know what that was used for?

25 A Used as a hydraulic fluid and a turbine

1 lubricant.

2 Q A what?

3 A Turbine lubricant.

4 Q Was that a name that came from General
5 Electric or --

6 A Pydraul? No. That was a Monsanto name.

7 Q So that blend was specified, then, by
8 Monsanto people?

9 A Yes.

10 Q Was that specified by people in your group?

11 A I would think it would be specified by Mr.
12 Stark. It might be specified by marketing.

13 Q Let me show you Document 11025, which is a
14 finished product specification for Inerteen 54201CN.

15 A Okay.

16 Q I see there it indicates under the heading
17 Research on that form what appears to be your name.

18 A Yes.

19 Q What involvement did you have in setting up
20 that Inerteen specification?

21 A The Inerteen specification again would be set
22 up by Westinghouse.

23 Q Do you know why your name is on that
24 document?

25 A Sure. It would be a product that we would

1 have to -- would go in the fluids group. Lacey was the
2 manufacturing man at Krummrich; quality control was done
3 by Blauers at Queeny. But that would be it. We
4 wouldn't call out the specification in Inerteen.

5 Q Did your group have anything to do with an
6 Inerteen product like that one?

7 A We would try to make sure that we met that
8 specification. Manufacturing would. But in general if
9 it was okay, met the specification, research would not
10 need to be involved. If something was wrong with it,
11 then research would be called. Something inconsistent.

12 Q Let me show you you Document 5506, which
13 refers to Aroclor 1242 nonelectrical grade.

14 A Okay.

15 Q What are the basic differences between
16 electrical grade and nonelectrical grade Aroclor 1242?

17 A They ran less -- fewer tests possibly before
18 they shipped it.

19 Q Was there actually any difference in the
20 product?

21 A It came out of the same process. You would
22 have to go back and make sure just the time, the
23 conditions and so forth, but manufacturing would run
24 probably fewer -- fewer tests possibly.

25 Q Were there --

1 A You would have to go back to control tests in
2 the plant and find out.

3 Q Were there less steps taken in the refining
4 of nonelectrical grade than in the refining of
5 electrical grade?

6 A Process should be the same. 1242. Wouldn't
7 want to change it.

8 Q Okay. Let me grab those things and get them
9 out of your way.

10 A Excuse me. I was just looking at who had
11 signed it.

12 Q Let me ask you generally about technical
13 bulletins. What can you tell me about technical
14 bulletins?

15 A Usually prepared by the -- either
16 development, commercial development, or marketing
17 people, with the help of other departments, such as
18 research or medical or whatever was needed in the way of
19 pulling a document together.

20 Q Let me --

21 A Possibly legal.

22 Q Let me show you some technical bulletins and
23 ask you to let me know what role, if any, your
24 department might have had in the development of the
25 bulletins.

1 First let me show you a bulletin called the
2 Aroclor Compounds 84 through 131.

3 A Is there a date on this?

4 Q Feel free to review it and give me your --

5 A Is there a date on it?

6 Q Well --

7 A I'm not sure.

8 Q There are -- in many of the cases of these
9 documents -- numbers and codes which you may be able to
10 help us date.

11 A I really can't. This looks like an early --
12 early Aroclor bulletin. I don't know, just why, just
13 maybe from the style is all I'm going. I don't see a
14 date in a quick perusal. The pictures you might be able
15 to date. If the pictures were clear you could possibly
16 date it from that.

17 Q How would the pictures --

18 A I'd say an early bulletin.

19 Q How would the pictures help you date it?

20 A Well, who was in the pictures. Looks like
21 they were potentially Monsanto people. The style of the
22 pictures is in the laboratory or whatever.

23 Q Would a document like that have had
24 contributions made to it by the group like yours,
25 whether it was actually your people or --

1 A All I can speak of is I don't think we
2 contributed to that.

3 Q Okay.

4 Let me show you another technical bulletin,
5 132 through 157, and ask what, if anything, your group
6 would have contributed to that bulletin.

7 MR. SHOEBOTHAM: I'm sorry. The numbers
8 again? Could I --

9 THE WITNESS: Sure. 132.

10 MR. SHOEBOTHAM: Thank you.

11 THE WITNESS: Looks as if it's through 157.
12 Is that correct?

13 MR. LACEY: I believe that's the last number.

14 THE WITNESS: Thank you.

15 I'd say again that -- quick judgment -- that
16 this is a bulletin before my time in fluids and it seems
17 to cover a combination of plasticizer uses and -- as
18 well as electrical uses.

19 MR. LACEY:

20 Q It does --

21 A Looks like it's organic division. So it's
22 before the -- before the reorganization. You can date
23 it that way.

24 Q When we talk about before the reorganization,
25 we're talking before 1970. Correct?

1 A Yes, right. Before that. But my guess is
2 it's even before that.

3 Q And what causes you to believe it predates
4 1963?

5 A Well, it talks about adhesives and thermal
6 plasticity. And fluids group had -- resistance to
7 drying, things like that. We just had no -- no -- no
8 input at that time.

9 Q Is there anything about that document that
10 has information of the type that would come from your
11 group?

12 A That did come from it?

13 Q That would come from your group. And by that
14 I'm not talking about necessarily while you were in
15 charge of it, but the group that you had responsibility
16 over from 1963 forward.

17 A I can only speak from the time that I had
18 something to do with it. And I'm saying I don't think
19 that our group from '63 on contributed to that, to this
20 bulletin. I don't know that as a fact. I'm judging.

21 Q Well, who in Monsanto would know who
22 contributed to these bulletins and the sources of
23 information in them?

24 A You have to go back in history and you have
25 to find people that would remember the history of

1 Aroclor before my time.

2 Q Let me show you another technical bulletin,
3 407 through 421, and ask if there's anything in there
4 that would have been contributed to by your group.

5 A We could and probably did contribute to this
6 bulletin. The reason is that Thermonol 55 was a
7 relatively new product. We would have to measure --
8 either have measured them internally or measured
9 externally and paid for the results for the physical
10 properties of 55.

11 Q What page number is that found on in the
12 document?

13 A It's on Page 3 of -- or 410 in your SCM. And
14 Thermonol 55 properties are again given on 413, or
15 Page 6 of this document. I think probably we would
16 probably have something to do with the calculations in
17 changing -- changing to Thermonol 55 or 66 from FR.

18 Q What page are those found on?

19 A Page 7. Protecting system.

20 Q What's the other number?

21 A 414. We probably had something to do with,
22 on Page 9, system diagram possibly, some question -- we
23 certainly developed the oxidation testing of 55. That's
24 about it, though. I think that would be our
25 contribution.

1 Q What would the purpose be of technical
2 bulletins like this, if you know?

3 A To aid the customer. Aid the customer,
4 principally.

5 Q They were not primarily for your internal
6 reference purposes?

7 A Yes, they would -- it served in that
8 capacity. But I think the major aim was to provide
9 information to customers.

10 Q So the primary purpose --

11 A Potential customers or customers.

12 Q The primary purpose would be for people
13 outside Monsanto. They would also serve a function for
14 people within Monsanto. Correct?

15 A Could, yes.

16 Q Or might not serve a function for people in
17 Monsanto, depending on the situation?

18 A It could serve a function inside Monsanto.

19 Q Okay.

20 A People who needed to be familiar with
21 physical properties or the attendant use.

22 Q Who actually had the overall responsibility
23 for generating technical bulletins?

24 A It indicated that the technical bulletins
25 were the normal responsibility of marketing and, on

1 occasion, commercial development, particularly when a
2 new product was introduced.

3 Q And who would have the responsibility for
4 distributing them to customers or potential customers?

5 A Well, they would certainly be reviewed by
6 procedures like the legal department, and I don't know
7 what the distribution list was. And then I would guess
8 that -- I would say that the business director would
9 have overall responsibility.

10 Q I'm sorry. That's not my question. I'm
11 talking about who would be in charge of actually
12 distributing them out to customers?

13 A I don't know who would serve the -- I mean
14 the customer list or the -- I don't know who had that.

15 Q Do you know who was responsible for having
16 these bulletins updated, if they were, from time to
17 time?

18 A Marketing would be a prime responsibility.
19 It might be pushed by any one of the departments.
20 Medical would -- could push. There was a job that
21 Pappageorge pushed hard on. Research might indicate a
22 change if they had a change in methodology. Improved
23 methods or improved analytical.

24 Q Why would there be a push to update technical
25 bulletins?

1 A To make customers aware of properties, make
2 customers aware of new information.

3 Q Do you know whether or not some procedure was
4 maintained whereby people who had received a prior
5 version of a technical bulletin would receive the update
6 of that bulletin?

7 A I'm not familiar with those details.

8 Q Are you familiar with any warnings that were
9 placed on PCB-containing products?

10 A I know they were placed. It was not my
11 responsibility nor did I have anything to do with the
12 wording, labeling function. Might have furnished
13 information, but that was not the province of research.

14 Q Would you be able to identify from looking at
15 warnings when a particular warning was in use and when
16 it was superseded?

17 A I could not.

18 Q When you first came into the functional fluid
19 group, were warnings already being placed on the
20 products that were sold by the group?

21 A I'm not familiar with that. Labeling is, as
22 I said, the province of marketing, and manufacturing
23 would be the place to check in terms of what was on
24 what.

25 Q When information was given to customers

1 regarding proposed new products, did your group review
2 that information before it was supplied to customers?

3 A I would say, in some cases, yes. In some
4 cases we might not have.

5 Q Let me ask you to look at document beginning
6 at 512 and continuing to 516 and ask if you reviewed
7 that document before it was sent out.

8 A There's no indication that I reviewed it.
9 And I have no recall of reviewing this particular
10 article. Document.

11 Q Is that the type of document that someone in
12 your group would have reviewed before it was sent out?

13 A It might have been reviewed by Dr. Munch. I
14 have no way of telling.

15 Q The document refers to the proposed
16 production of MCS1016 and states that: Our laboratory
17 has completed a capacitor life test study. Would that
18 be your group that would do that type of study?

19 A If we did it, it would be done by Munch, yes.

20 Q Were your people able to conduct those type
21 of studies?

22 A Small-scale capacitor testing we could do.
23 Small scale. That meant limited -- very limited
24 statistics. Just enough to maybe interest the customer.

25 Q It says here: We have an extensive research

1 program on materials for use in capacitor dielectric
2 systems. Is that defining the research program that you
3 had?

4 A The only thing -- the thing that was
5 extensive about it was -- was we were searching in terms
6 of alternate materials. But as far as our test
7 capacity, capacitor test, it was very small compared to
8 anything that anybody else had that was really in the
9 business.

10 Q Would you characterize it as an extensive
11 research program?

12 A Extensive in terms of synthesis, yes. More
13 extensive than the dielectric people. But in terms of
14 capacitor testing, nowhere near what was required.

15 MR. SHOEBOTHAM: Let's go off for a minute.

16 [Recess]

17 MR. SHOEBOTHAM: Back on the record.

18 Dr. Richard has an appointment tonight and
19 tomorrow morning that he has to leave for, he has a 6:25
20 flight. It's now 5:30. So we have to leave. We've
21 been here for about eight and a half hours, with an hour
22 for lunch --

23 MR. LACEY: More than an hour for lunch.

24 MR. SHOEBOTHAM: Dr. Richard is also tired.
25 I think this was a long enough day and certainly long

1 enough to complete the deposition, certainly when
2 compared to some of the time limitations that have been
3 imposed for experts in the case. So we need to leave at
4 this time.

5 MR. LACEY: Dr. Richard, when is your
6 appointment this evening?

7 MR. SHOEBOTHAM: Dr. Richard's appointment
8 this evening is that his wife is going to meet him at
9 the airport and he can't leave her standing out at the
10 airport. He has a commitment tomorrow morning that he
11 has to make, too.

12 MR. LACEY: There is another flight later
13 this evening, is there not, Doctor?

14 MR. SHOEBOTHAM: I don't know what the
15 airline schedule is, Mr. Lacey. But Dr. Richard needs
16 to make the 6:25 flight that he is ticketed for.

17 MR. LACEY: We'll be happy to see if we can't
18 make alternate arrangements for another flight. I know
19 there's a later flight this evening. And I feel
20 confident we can make arrangements to call his wife and
21 advise her of the fact he will be on the later flight.

22 MR. SHOEBOTHAM: I appreciate that. But he
23 has been testifying now since 9:00 this morning.

24 MR. LACEY: Actually, we didn't even start at
25 nine, John.

1 MR. SHOEBOTHAM: We were here at a quarter of
2 nine. So if we didn't start at nine, that wasn't our
3 fault. He has been at it now since whenever we started
4 this morning. It's been a long day for him and I need
5 to get him on that airplane.

6 MR. LACEY: All right. We'll reserve our
7 right to take it up with the court.
8
9

10 -----
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SIGNATURE OF WITNESS

I, William Richard Jr., solemnly swear or affirm, under the pains and penalties of perjury, that the foregoing 208 pages contain a true and correct transcript of the testimony given by me at the time and place stated, with the corrections, if any, and the reasons therefor noted on a separate sheet of paper and attached hereto, and that I am signing this before a Notary Public.

William Richard Jr.

THE STATE OF

Subscribed and sworn to before me, the undersigned authority, by the said William Richard Jr. on this the day of , 1987.

Notary Public in and for
the State of

1 THE STATE OF TEXAS]


2 CERTIFICATE

3 I, Jerry Kelley, a Certified Shorthand
4 Reporter, hereby certify that the foregoing testimony
5 was given before me after the witness had been duly
6 sworn.

7 I further certify that the foregoing is a
8 true and correct copy of the transcript of the
9 proceedings.

10 I further certify that I am neither
11 attorney for, related to nor employed by any of the
12 parties or any attorney of record in this cause, nor do
13 I have a financial interest in the matter.

14 Witness my hand in Houston, Texas, on
15 April 20, 1987.

16
17
18 
19
20 Jerry Kelley, Texas CSR 2004*

21 2900 Smith, Suite 104

22 Houston, Texas 77006

23 713/523-3767

24 *My Certificate Expires

25 December 31, 1988

T
I
B
I
H
X
E