

16 5th Street, Suite 700, Los Angeles,
17 California, beginning at 9:00 a.m. and
18 ending at 4:00 p.m. on Friday, May 19,
19 2006, before REBECCA CORRAL, Certified
20 Shorthand Reporter No. 7021.
21
22
23
24
25

00003

1 APPEARANCES:

2

3

4 For Plaintiff:

5

METZGER LAW GROUP

BY: RAPHAEL METZGER

6

Attorney at Law

401 E. Ocean Boulevard, Suite 800

7

Long Beach, CA 90802

(562) 437-4499

8

For Defendant Chevrontexaco:

9

STEPTOE & JOHNSON

10

BY: LAWRENCE P. RIFF

RUTH D. KAHN

11

SONIA O. WILLIAMS

Attorneys at Law

12

633 W. Fifth Street, Suite 700

Los Angeles, CA 90071

13

(213) 439-9400

14

For Defendant Berry Petroleum:

15

WALSWORTH, FRANKLIN, BEVINS & MCCALL LLP

BY: HANA CHIN

16

Attorney at Law

550 Montgomery Street, 8th Floor

17

San Francisco, CA 94111

(415) 781-7072

18

(via telephone)

19

For Defendant U.S. Oil and Refining Company:

20

KENNEY & MARKOWITZ, LLP

BY: KIMBERLY MCINTYRE

21

Attorney at Law

255 California Street, Suite 1300

22

San Francisco, CA 94111

(415) 397-3100

23

(via telephone)

24

25

00004

1

INDEX

2

WITNESS:

EXAMINATION

3

JOHN WHYSNER, M.D.

4
5 BY MR. METZGER 5
6
7
8 EXHIBITS
9 PLAINTIFF
10 1 Curriculum Vitae of Dr. Whysner 7
11 2 Trial Testimony: Last 10 Years of 7
Dr. Whysner
12 3 Literature Citations 97
13 4 Letter dated 5-16-06 to Dr. Whysner from 98
Danya Gonzales
14 5 Literature Produced by Plaintiff's Experts 101
15 6 Billing statements 157
16 7 Article entitled "Benzene increases the 158
lymphoma-related translocation t(14;18) in
17 exposed workers, but not translocations
18 involving the MLL gene associated with
19 topoisomerase II inhibition"
20 8 University of Ottawa, Benzene State of the 158
Science Workshop
21 9 Article entitled "Benzene Metabolism and 158
22 Genotoxicity"
23
24 INFORMATION REQUESTED
25 (None)
00005
1 Los Angeles, California, Friday, May 19, 2006
2 9:00 a.m. - 4:00 p.m.
3
4 BY MR. METZGER:
5 Q Good morning, sir. Would you introduce
6 yourself.
7 A Good morning. John Whysner.
8 MR. RIFF: Shall we state appearances for the
9 record?
10 MR. METZGER: Excuse me, go right ahead.
11 MR. RIFF: Please.
12 MR. METZGER: I am Rafael Metzger. I represent
13 the plaintiff, Christopher Remboldt.
14 MR. RIFF: Lawrence Riff and Sonia Williams,
15 Steptoe & Johnson for various defendants. And on the
16 phone we have Kimberly McIntyre, Kenney & Markowitz for
17 U.S. Oil and Refining Company.
18 MS. CHIN: Hana Chin from Walsworth, Franklin,
19 Bevins & McCall for Berry Petroleum.
20 MR. RIFF: Is that it? May we have a
21 stipulation that the objection of any defense counsel
22 actually attending the deposition shall be deemed the

23 objection of all such counsel attending the deposition.
24 MR. METZGER: Absolutely.
25 MR. RIFF: With that, shall we proceed.

00006
1 BY MR. METZGER:
2 Q All right. Good morning, Mr. Whysner; correct?
3 A Yes.
4 Q Am I pronouncing the last name correctly?
5 A Yes.
6 Q We are here for your deposition, and have you
7 given depositions before?
8 A Yes.
9 Q About how many?
10 A Approximately about 20 times.
11 Q Do you feel comfortable with the process so I
12 don't need to tell you all those things lawyers usually
13 do at the beginning of the depositions?
14 A I think so.
15 MR. RIFF: If you don't understand the
16 question, tell him you don't understand. Okay. You are
17 under oath, you understand that, don't you?
18 THE WITNESS: Yes.
19 MR. METZGER: He is doing it for me.
20 MR. RIFF: Do your best to answer a question no
21 matter how stupid you think the question is. Can you do
22 that? Laughter abounded.
23 BY MR. METZGER:
24 Q Okay. Dr. Whysner, is this your current
25 curriculum vitae?

00007
1 A Yes.
2 MR. METZGER: We'll mark this as Exhibit 1.
3 (Plaintiff's Exhibit 1 was marked for
4 identification by the court reporter.)
5 BY MR. METZGER:
6 Q And does Exhibit 1 contain a current list of
7 all of your publications?
8 A Yes.
9 Q Are there any publications of yours from any
10 time in your life that you have written which are not
11 listed on here?
12 A Not that I recollect, no.
13 Q And is Exhibit 2 a list of cases in which you
14 have testified during the last 10 years?
15 A Yes.
16 (Plaintiff's Exhibit 2 was marked for
17 identification by the court reporter.)
18 BY MR. METZGER:
19 Q And at the top of Exhibit 2 it says, "Trial
20 Testimony: Last 10 Years." Is every one of these cases
21 here a case in which you actually testified at trial?
22 A No.
23 Q All right. I would like to just -- it is not
24 that long of a list. I would like to go through it, if

25 you could just tell me generally what each case was
00008
1 about, I will ask you some questions one by one.
2 A Okay. The first one, Charles Robinson vs.
3 Rockwell was a case involving PCB's in the mud river in
4 Kentucky, and it was a case -- the suit was for medical
5 monitoring, as well as property damage.
6 Q Just a moment. Did you give a deposition in
7 that case?
8 A No.
9 Q It is indicated here there was a Daubert
10 hearing. Did you provide a written declaration or
11 affidavit for a Daubert hearing?
12 A I believe so. I believe so.
13 Q Did you also provide testimony at a Daubert
14 hearing?
15 A Yes.
16 Q This case, it indicates that there was a trial?
17 A Yes.
18 Q Did you testify at trial?
19 A Yes. It is real complicated. The Daubert
20 hearing -- after the Daubert hearing, the case for
21 medical monitoring was dismissed, and so the trial went
22 on for property damage, but there were issues related to
23 toxicology and PCB's that I was asked to testify about.
24 And as you asked about it, a deposition, I
25 don't believe there was a deposition. It is not on this
00009
1 list, and -- but it's been a while and this was almost
2 10 years ago.
3 Q I see. Okay. Now, in that case, did you give
4 any testimony regarding leukemia or lymphoma?
5 A No.
6 Q Or benzene?
7 A No.
8 Q All right. The next case, Maertin. Can you
9 tell me what that was about?
10 A This was a case involving PCB's again in
11 ceiling tiles in a college in New Jersey, and there
12 were, I think, 13 plaintiffs who had various forms of
13 cancer. And this is, again, a while ago and I haven't
14 looked at that because I have been asked about this
15 recently, I can't remember, but I think one of the cases
16 was either leukemia or possibly a lymphoma, but I don't
17 recall specifically.
18 Q Who asked you about this recently?
19 A I believe it was my deposition in Ringstaff.
20 Q Who took your deposition in that case?
21 A But it could have been the Fullen case.
22 Ringstaff, the deposition was -- I really don't have a
23 good memory for the names of the plaintiff's law firms,
24 I am sorry, but all I can tell you is that a Texas law
25 firm and it was a fairly prominent one, from what I had

00010

1 been told.
2 Q Baron & Bud?
3 A It wasn't Baron & Bud. It was the Avis, I
4 guess, the No. 2, that's what I was told or something.
5 Q We'll get to that, that's on the list.
6 Do you happen to know who took your deposition
7 in the Maertin case?
8 A No, I don't remember.
9 Q Next case, Smith vs. Tucson Airport. What was
10 that about?
11 A TCE, trichlorethylene. And, again, that was a
12 case for, I believe it was medical monitoring, and I do
13 remember who took my deposition, that was Fred Baron.
14 Q All right. Ballinger vs. -- well, I am
15 familiar with that case. That's fine.
16 We'll go on to Hudson Riverkeeper. What was
17 that case about?
18 A PCB's, and the former Anaconda copper and wire
19 facility in Hastings, New York.
20 Q A case involving cancers?
21 A No, this was a case that had to do with
22 remediation and cleanup.
23 Q Who took your deposition, do you recall?
24 A It was a professor at one of the local
25 universities. I will think of the name in a minute, but
00011
1 it was sort of, kind of interesting because he had his
2 students there and it was like one of these kinds of
3 things.
4 Q All right. Then the San Jose IBM workers
5 litigation, I don't need to ask you about. I see you
6 gave a lot of depositions and a lot of trial testimony?
7 A I know who deposed me there, Amanda Hawes.
8 Q I was going to guess that. Krutsinger vs.
9 Pharmacia?
10 A Was a PCB case again, alleged PCB exposure that
11 involved somebody who was crawling inside of a
12 transformer and got a rash and then got sick and was
13 suing for, you know, medical damages.
14 Q Who took your deposition in that case?
15 A I have to memorize all these names in the
16 future. I don't remember.
17 Q I am looking at Illinois, Southern District of
18 Illinois. Would that have been somebody from Simmons &
19 Cooper, do you recall?
20 A No.
21 Q All right. Cooper is Mr. Riff's case or,
22 actually, I guess Phil Harley's case. I don't need to
23 ask you about that.
24 So now tell me about Fullen, if you would?
25 A Okay. Fullen is a case involving 10 plaintiffs
00012
1 and I only testified regarding three of them. One of
2 them was an alleged exposure to beryllium, alleged

3 chronic beryllium disease.

4 One of them was a lung cancer with -- when I
5 was testifying about the toxicology of arsenic and
6 cadmium. And one of them was a CML case and there was
7 alleged exposure to solvents, various petroleum-based
8 solvents in that case.

9 Q And you gave your deposition in that case in
10 January of this year?

11 A That is correct.

12 Q Who deposed you?

13 A Again, I can't remember.

14 Q West Virginia, Dean Hartley?

15 A That name sounds familiar. It might be.

16 What's the firm name?

17 Q Hartley & O'Brien.

18 A It might be.

19 Q Okay. And, lastly, Ringstaff?

20 A Correct. Ringstaff was a case of CLL and,
21 again, alleged exposure to -- this is -- I think it was
22 primarily benzene.

23 Q And as you sit here now, can you recall who
24 took your deposition?

25 A I know the attorneys on my side.

00013

1 Q Who were they?

2 A Well, this started out being Stan Perry and --
3 but they went out of the case and then it became Phillip
4 Warner, of Warner & Carrigan.

5 Q That case went to trial. I see that was -- you
6 gave your deposition in March. It went to trial?

7 A Went to trial and it settled during jury
8 deliberation.

9 Q All right. Other than the cases which you just
10 discussed, is there any -- are there any other cases in
11 which you have given deposition or trial testimony in
12 the last 10 years?

13 A No, not that I recollect.

14 Q With respect to these cases that we have
15 discussed, in any case, did you ever testify on behalf
16 of a worker claiming to be injured?

17 A No.

18 Q Did you ever testify on behalf of any person
19 claiming to be injured?

20 A No.

21 Q In every case where you testified, were you
22 testifying on behalf of a company that was being sued?

23 A Well, with the exception -- and, again, this
24 John Smith, underwriter, Lloyds of London, it was an
25 insurance dispute and I am not really sure how to answer

00014

1 that question. I think that the insurance company
2 didn't agree with the settlement that the airport had
3 agreed to and I was testifying on behalf of the
4 insurance company.

5 Q Okay. Now --
6 A And the Hudson River case was a case about a
7 cleanup, so --
8 Q And were you testifying on behalf of Arco in
9 this case?
10 A Yes.
11 Q All right. Now, when is the first time that
12 you ever gave a deposition?
13 A It would have been, I think, in the earlier
14 '90s and it was with regard to the Paoli litigation
15 case.
16 Q On whose behalf did you testify in that case?
17 A The three railroad companies, SPTA, which is
18 Southeastern Pennsylvania Transit Authority, Amtrak, and
19 at that time, I think it was called Penn Central, and
20 also Monsanto and General Electric.
21 Q Now, other than the cases that we have
22 mentioned, are there any other cases in which you have
23 testified at all regarding benzene?
24 A No.
25 Q Are there any other cases in which you have
00015 testified about solvents?
1 A There is a case that I was asked to testify
2 about cutaneous absorption issues that involved
3 solvents, but I wasn't testifying about health effects.
4 Q Do you recall the name of that case?
5 A No.
6 Q Any other cases that you recall testifying
7 about solvents?
8 A No.
9 Q Are there any other cases that you recall
10 testifying about leukemia?
11 A No.
12 Q Are there any other cases that you recall
13 testifying about lymphoma?
14 A Well, let me just say that some of my earlier
15 PCB cases had to do with the general question of whether
16 or not PCB's caused any form of cancer. So in that
17 context, yes, I mean, but these weren't injury cases
18 where the -- there was a plaintiff who had one of those
19 kinds of cancers.
20 Q I asked you about these 10 cases. Now I want
21 to broaden it. Over your entire career, have you ever
22 testified on behalf of a worker claiming to have been
23 injured?
24 A No.
25
00016
1 Q Or a person claiming to have been injured?
2 A No.
3 Q All right. Now, let's talk about some other
4 kinds of testimony. Have you ever testified before any
5 governmental agencies?
6 A I have done -- I have testified in front of, I

7 guess you would call it governmental agencies, regarding
8 permitting of some power plants. It was an
9 administrative judge-type hearing.

10 Q Where and when was that?

11 A These would have been in the early to mid-'90s,
12 and one of them was in Bucksport, Maine, one of them was
13 in Orlando, Florida, one of them was in Puerto Rico.
14 That's all I can remember.

15 Q And what was the general rest of your testimony
16 in that?

17 A Well, I was asked -- this is, again, in the
18 context of risk assessment work because I have done a
19 lot of risk assessment work, what were the risks of
20 cancer or from various -- were there diseases that were
21 going to be caused from emissions from the power plant.

22 Q In those cases, were you testifying on behalf
23 of the power plants that wanted to get licensed?

24 A Correct.

25 Q Any other agencies that you could give -- have
00017 given testimony before?

1 A I don't believe so.

2 Q Congress or OSHA or EPA?

3 A No.

4 Q Now, there is also another kind of testimony
5 that one can provide, which is written testimony
6 submissions, for example, rule-making proceedings. Have
7 you ever provided any declarations or affidavits to any
8 agencies in connection with rule-making proceedings or
9 any proceeding?

10 MR. RIFF: Agencies?

11 MR. METZGER: Yes.

12 THE WITNESS: I think I provided, but I don't
13 know if it actually -- my comments actually went in
14 directly or through a law firm on a ruling on PCB
15 transformers that had caught fire and the issue of
16 dioxins.

17 This had been in the early -- wait a minute.
18 This would have been in the mid-1980's, but I don't
19 think that I would have been identified as somebody
20 specifically who had done that.

21 BY MR. METZGER:

22 Q What agency was this?

23 A This would have been the EPA.

24 Q What is the organization that would have
00018 submitted your testimony or comments?

1 A I don't remember. This was, I guess, going
2 back almost 20 years.

3 Q All right. Any other written testimony that
4 you have provided that you can recall?

5 A Not that I can recall.

6 Q Okay. All right. Have you in any way listed
7 any opinions that you have for this case that we are
8

9 here about today?

10 A No.

11 MR. RIFF: In a writing, you mean?

12 MR. METZGER: Yes, in some type of writing.

13 THE WITNESS: No.

14 BY MR. METZGER:

15 Q Do you have them in your mind that you can give
16 them to me sequentially?

17 A Well, I mean, I don't know if I can think of
18 all of them immediately. Now, I am sure I will in the
19 course of the deposition.

20 Q Let's start.

21 A Okay. Well, I was asked to give an opinion
22 about whether Non-Hodgkins lymphoma could be caused by
23 any of the chemicals that Mr. Remboldt was allegedly
24 exposed to, whether or not it is general causation. And
25 I was asked to give an opinion about whether

00019

1 Mr. Remboldt's Non-Hodgkins lymphoma could have been
2 caused by any of the chemicals that he was allegedly
3 exposed to.

4 Q Let me just ask you: Is there a difference, I
5 mean, is your testimony or your opinions in this case
6 limited to the issue of general causation or are you
7 actually doing specific causation, too?

8 A Well, I think, specific causation. I am also
9 talking about specific causation.

10 Q Okay. All right. Go ahead.

11 A I was also asked to provide testimony about the
12 plaintiff's expert's opinions and whether or not they
13 used, you know, whatever you want to call it, a reliable
14 and sound methodology in terms of reaching their
15 opinions. Those are the two main things that I was
16 asked to do.

17 Q Well, let's talk about those, then. That
18 helps. I can at least formulate some questions now.

19 In considering whether Non-Hodgkins lymphoma
20 can be caused by chemicals which Mr. Remboldt was
21 exposed, what chemicals was it that you considered?

22 A Well, we are talking about allegedly exposed
23 to, I am assuming. The chemicals that I considered
24 was -- were chemicals that -- some of the chemicals were
25 identified in the complaint. For example, benzene,

00020

1 certain petroleum solvents, petroleum-derived solvents
2 such as xylene and toluene.

3 And I also looked at the, you know, the
4 literature related to what are known generally as
5 solvents, which is kind of a catchall term for, as you
6 probably know, anything that can dissolve anything,
7 which is what a solvent means.

8 But I have tried to look at literature where
9 there was something -- some definition of what the
10 actual solvents really were that were being

11 investigated, whether or not there was any way in which
12 those solvents could have, in any way, have been
13 involved in a refinery process and in chemicals and in
14 the refining process.

15 Q Let me just ask: When you refer to solvents
16 that you considered generally, did you limit it to
17 petroleum-based solvents?

18 A Well, I certainly looked at some of the
19 literature Drs. Infante and Harrison used so, therefore,
20 I cast a bit of a wider net because a lot of their
21 literature involves other kinds of solvents as well, so
22 I reviewed that kind of literature.

23 Q Okay. Any other chemicals that you considered?

24 A Well, I was asked to -- originally to look at
25 the issue of butadiene, but since I couldn't find

00021

1 anywhere where Dr. Infante or Dr. Harrison even
2 mentioned butadiene in their testimony, I decided to put
3 that on hold, but I would be able to discuss that, but I
4 haven't really prepared today to do so.

5 Q Okay.

6 A I looked at something called butoxyethanol
7 because Dr. Infante talked a bit about it in his
8 deposition and, actually, I found that what he was
9 saying about it wasn't correct, and so I wanted to be
10 prepared to deal with that.

11 Q All right. Have we now covered the chemicals
12 that you considered?

13 A Yes.

14 Q Okay. I suppose I don't need to ask it, but
15 what is your opinion as to whether any of these
16 chemicals can cause Non-Hodgkins lymphoma?

17 A My opinion is that based upon the reliable
18 scientific literature, that they have not been shown to
19 cause Non-Hodgkins lymphoma.

20 Q When you say that "they have not been shown to
21 cause Non-Hodgkins lymphoma," are you saying they cannot
22 cause it or that, in your view, it has not sufficiently
23 been demonstrated that they can cause it?

24 A I think the two of those are the same.

25 Q Really?

00022

1 A At least in terms of our knowledge. I mean, if
2 you are asking me -- you are asking me as an expert, I
3 am assuming. Now, if you are asking me a question can
4 anything happen, you don't need an expert opinion for
5 that.

6 Q No, no, I am not asking that. What I am
7 getting at is I have something different -- a little
8 different in mind. IARC classifies chemicals in four
9 categories, four basic categories; right?

10 A One, two, three, four.

11 Q One, two, three, four. And four is actually a
12 category where the chemical has been shown not to cause

13 cancer, and there are very few chemicals in that
14 category. I think there is one?

15 A It is not there for good reason, I can tell you
16 that much. I have been in the IARC process, I have been
17 in the working groups, and it is -- that category, we
18 were told not to even try to go there during the
19 deliberations.

20 Q Who told you that?

21 A Jerry Rice, who is the head of the monograph
22 program. It is just impossible to put a category, it is
23 proving the negative.

24 MR. RIFF: What's the chemical in category
25 four?

00023

1 THE WITNESS: I don't remember.

2 MR. METZGER: I don't either. I just remember
3 Stellman's summary and it was one there and -- anyway.

4 Q Okay. All right. Now, I am sure there is a
5 lot of information that you could provide me as to why
6 you hold your negative opinion on general causation for
7 these chemicals.

8 Before we get into all the details, could you
9 kind of give me an overview of the broad, general
10 concept or categories that you considered that had you
11 reach this opinion, kind of a framework?

12 A Okay. First of all, there are several cohort
13 studies that include benzene and, in general, those
14 studies do not show an association with Non-Hodgkins
15 lymphoma.

16 Q Okay. What else?

17 A Other chemicals that have actually been studied
18 as such not only don't show it in association with
19 Non-Hodgkins lymphoma, such as xylenes and toluene, but
20 the animal studies don't even show any similarity with,
21 for example, benzene.

22 So even to the extent that benzene has been
23 found to cause one form of cancer in humans, acute
24 myelogenous leukemia, and it's been shown to cause a
25 number of cancer in end points in animals, other

00024

1 so-called solvents like xylene and toluene are negative.

2 Q For what, am I missing you?

3 A For -- in cancer bioassays.

4 Q Animal bioassays, is that what you are talking
5 about?

6 A Right, for those same kinds of end points for
7 the same, so that raises the whole question of what do
8 studies in humans regarding, quote, "solvents" really
9 mean? When we know from a toxicological standpoint in
10 terms of ability to cause cancer, these chemicals are
11 very different.

12 We know that about those chemicals, we know
13 that about some of the chlorinated solvents, hydrocarbon
14 solvents, they cause, yet, different kinds of cancers in

15 animals, such as liver cancer or kidney cancer, but they
16 don't cause the kinds of cancer that benzene causes.

17 So from a toxicological standpoint, from what
18 we know about how chemicals can cause cancer, it doesn't
19 make any sense, first of all, to lump all solvents
20 together.

21 So that makes their kind of a limited number of
22 studies where one can actually look at the alleged
23 exposures that are at issue in this case so, therefore,
24 we come up with studies that have to do with, for
25 instance, petroleum workers. This is a case about a
00025
1 petroleum worker in those studies.

2 Q I am sorry, what kinds of studies are you
3 referring to?

4 A I am sorry, human studies, epidemiology
5 studies. So those studies now are at least about the
6 same kind of industry and those studies don't show any
7 association -- overall, these studies don't show any
8 association with Non-Hodgkins lymphoma and those are
9 probably the most relevant studies related to this case,
10 because at least they are about the same industry and
11 occupations.

12 Even so -- even so, even the studies overall
13 about solvents and however you want to cut solvent
14 classification up, in general, there are certainly more
15 negative studies than there are positive studies. You
16 will always find a few studies that are positive.

17 Q Which, I am sorry, regarding solvent studies,
18 what kind of studies are you talking about, are these
19 cohort or case control studies you are talking about?

20 A Either one. But in those cases, you know, we
21 have to be very careful about what we can actually say
22 are at all relevant to the case at hand and you have to
23 go -- those have to be dealt with on an individual basis
24 going through them.

25 Q "Those" referring to what?
00026

1 A Those studies.

2 Q The cohort studies and the case control
3 studies?

4 A Yes. And as I mentioned, the benzene studies
5 are also, in general, negative.

6 Q By "benzene studies," what, specifically, are
7 you referring to?

8 A Okay. Well, we have cohort studies.

9 Q We'll get to that. I am just asking generally,
10 you are talking about cohort studies?

11 A Yes, and case control studies.

12 MR. RIFF: Are you done with your category --
13 response to his category question, the framework that he
14 asked you about?

15 THE WITNESS: I think so.

16 MR. RIFF: Fine. I want to know if you are

17 coming up for air or whether you were done.

18 MR. METZGER: I am sure we'll flush it all out
19 in the course of this deposition. It gives me the
20 landscape we are going to talk about.

21 Q In reaching your opinion on general causation
22 for benzene and organic solvents and Non-Hodgkins
23 lymphoma, how do you -- have you considered any case
24 reports?

25 A In terms of coming to a conclusion regarding --
00027

1 I have reviewed case reports. There are lots of them in
2 the past literature, but I don't think that they can be
3 used to establish causation.

4 Q Okay. Do you have the case reports here that
5 you have reviewed?

6 A No.

7 Q Can you identify them for me?

8 A Regarding Non-Hodgkins lymphoma or -- I mean,
9 certainly with benzene, I remember the case studies that
10 people like Vigliani did. There are some case reports
11 by Hunter from Mass General from the 1930's.

12 Q Did Hunter and Vigliani report lymphomas?

13 A I don't recall specifically.

14 Q Are there -- can you identify for me any
15 particular case reports that concern benzene or solvents
16 and Non-Hodgkins lymphoma?

17 A Not right here.

18 Q All right. In reaching your opinion on general
19 causation, did you consider any mechanistic studies?

20 A Such as -- what do you mean by "mechanistic
21 studies"?

22 Q Well, that's probably a bad question.

23 MR. RIFF: Objection. Bad question.

24 MR. METZGER: I don't know what I do mean, so
25 let me --

00028

1 MR. RIFF: Objection. Plaintiff's counsel
2 doesn't know what he is talking about.

3 MR. METZGER: Let me withdraw that.

4 Q Did you review, for this case, actually review
5 any studies regarding the genotoxicity of benzene?

6 A Well, I know a lot about the general toxicity
7 of benzene because --

8 Q Did you say "general"?

9 A Yes.

10 MR. RIFF: That's what you said.

11 MR. METZGER: Yes, it is.

12 MR. RIFF: Do you want to have it read back? I
13 don't understand the question.

14 MR. METZGER: No, let me restate it so we are
15 on the same page.

16 Q In the course of your reaching your opinions
17 for this case, did you review any literature regarding
18 the genotoxicity?

19 A Oh, genotoxicity.
20 Q If you can pronounce that that way.
21 A Well, I published a paper where I reviewed
22 1,400 genotoxicity tests.
23 Q I know. Are you relying on any of that for
24 your opinions in this case?
25 A Only to the extent that I think it allows us
00029
1 some understanding of how benzene causes the one cancer
2 we know that it causes, and that's acute myelogenous
3 leukemia. And so we don't -- that would at least
4 provide an explanation for how AML develops.
5 Q The mechanism?
6 A The mechanism by which AML develops.
7 Q See, my question wasn't that bad after all.
8 Okay. Got it.
9 In reaching your opinion on general causation
10 in this case for benzene solvents and Non-Hodgkins
11 lymphoma, did you review any studies regarding
12 immunotoxicity?
13 A Not specifically for this case, but I have
14 reviewed the literature on -- generally on benzene a
15 number of times that have to do with all sorts of end
16 points.
17 Q But, specifically, have you reviewed and
18 considered the studies concerning the immunotoxic
19 effects of benzene and organic solvents?
20 A By "immunotoxic," you mean generally or
21 specific kind of immunotoxicities?
22 Q Starting with the general. We can get into the
23 specifics, if that's something that you are relying on,
24 and if it is not, then I don't know that we really need
25 to go there.
00030
1 A I would say that the -- that I haven't, except
2 to the standpoint that I know that benzene causes -- can
3 cause bone marrow suppression and bone marrow
4 suppression, if you knock out all of the white blood
5 cells from the bone marrow, is going to effect the
6 immune system, but that really doesn't speak to the
7 issue of Non-Hodgkins lymphoma.
8 Q Why not?
9 A Because Non-Hodgkins lymphoma doesn't arise in
10 the bone marrow.
11 Q It arises in extramedullary sets?
12 A In the lymph system.
13 Q Okay. Well, have you reviewed any studies
14 regarding the capability of benzene or other organic
15 solvents to suppress B cell function?
16 A In what sense, B cell function?
17 Q In any sense.
18 A Do you mean its ability to produce
19 gammaglobulins or --
20 Q In any sense. I mean --

21 A Specifically for this particular case, no.
22 Q So do I understand, then, that your opinions in
23 this case are not based upon any of the literature
24 regarding the immunotoxic effects of benzene?

25 A Well, again, we are getting back to
00031 1 immunotoxic, meaning both lymph and bone marrow
2 development. I know that benzene pretty clearly has an
3 effect on the bone marrow, okay, and, therefore, it can
4 produce an immuno effect related to the bone marrow.
5 Immune function effect. Lack of white blood cells,
6 okay.

7 But the effects on the lymphatic system, it is
8 not -- I mean, everything can do everything at a
9 particular dose. If you give a high enough dose of
10 something, it is going to have an effect on most
11 systems, but it is -- benzene is not really one of those
12 kinds of chemicals that is specifically aimed at
13 producing immune suppression.

14 And if you look at the general pattern of
15 various chemicals and what they do toxicologically,
16 benzene doesn't stand out as an immunotoxic like some
17 things do.

18 Q Isn't it true that benzene was actually once in
19 medical history used as a cytotoxic and
20 immunosuppressant agent in humans?

21 A Not to my knowledge.

22 Q Okay. Well, when you say that benzene can
23 cause bone marrow suppression, what do you mean by that?

24 A It decreases -- it is toxic to the bone marrow
25 so that there are -- the blood-forming elements are
00032

1 suppressed so that there are -- it causes pancytopenia,
2 you know, that being -- pancytopenia means low white
3 count, low red count, and it can also cause aplastic
4 anemia.

5 Q When you say it is toxic to the blood-forming
6 elements, is it toxic to myelocytes?

7 A Yes.

8 Q And is it toxic to lymphocytes?

9 A Well, the lymphocytes that are derived from the
10 bone marrow certainly can effect those at a sufficient
11 dose. I mean, we are talking about people who have been
12 exposed to benzene in a couple hundred parts per million
13 in the air.

14 Q Are you unfamiliar -- strike that.

15 Are you familiar with any studies showing toxic
16 effects from benzene to lymphocytes at doses lower than
17 100 parts per million?

18 A Depends what you mean by "toxic effects."

19 Q Any toxic effects of benzene to lymphocytes at
20 doses lower than 100 parts per million?

21 A Well, I think there are some -- couple of
22 things come to mind here. First of all, there are case

23 reports, but, then, again, I don't know how good case
24 reports are because you always have the attribution
25 problem of people that have been exposed to, for
00033
1 example, the Hunter painter.
2 There was a person who got exposed to 25 parts
3 per million and another person was exposed, supposedly,
4 only to 10 parts per million on a regular basis, who had
5 a toxic effect to the bone marrow, but this is among 80
6 people that they reported.
7 So -- and, again, these are case reports, so I
8 don't know how -- whether or not that's real or not
9 because there is no control group to know, wasn't a
10 regular scientific study to be able to figure that out.
11 Q Are you aware of any epidemiologic studies
12 which have investigated the effects of benzene on
13 lymphocytes of humans, either worker studies or
14 evaluating human cells in vitro?
15 A Well, certainly lymphocytes are used in
16 genotoxicity studies. So people that have been exposed
17 to benzene have -- can be found to have certain
18 abnormalities in their peripheral lymphocytes because
19 those are the cells that are easy to look at the DNA in
20 the body.
21 Now, there are probably those kinds of genetic
22 alterations going on in all of the cells of the body, so
23 it is capable to mean of causing these kinds of effects,
24 these kinds of genotoxicity, which is a chromosomal
25 aberration in a small number of cells.
00034
1 Q Regarding -- we'll talk about the
2 genotoxicity. For a moment, let's talk about
3 hematotoxicity to lymphocytes, peripheral lymphocytes,
4 blood lymphocytes. Are you aware of any epidemiological
5 studies that have investigated that either in workers or
6 in human cells exposed in vitro?
7 MR. RIFF: Hematotoxicity?
8 MR. METZGER: Yes, to lymphocytes.
9 MR. RIFF: Got it.
10 THE WITNESS: Well, as part of white cells in
11 general, you know, as you probably know, the worker OSHA
12 standard, one of the ways of monitoring for bone marrow
13 suppression secondary to benzene is you are supposed to
14 monitor the blood of a worker population. I know that's
15 been done a number of times in the context of
16 epidemiology studies, as well.
17 BY MR. METZGER:
18 Q But can you identify for me, are you familiar
19 with any epidemiologic studies which were done in the
20 last 10 years which have specifically evaluated benzene
21 toxicity to human peripheral blood lymphocytes at
22 different doses?
23 A You mean in different exposure levels?
24 Q Yes.

25 A Yes. Well, I know that there was a study a few
00035

1 years ago out of China by -- I think this is one that
2 Martin Smith was involved in, I think the first author
3 is Gu, G-u, where they found some small -- statistically
4 significant, but very small decreases, I think it was
5 lymphocytes, although it might have been total white
6 cells at different benzene exposure levels.

7 Q That exposure levels even below one part per
8 million; true?

9 A I believe so.

10 Q Are you familiar with any other studies than
11 the one you just mentioned on this topic?

12 A Well, I think there have been studies, but
13 that's the only real one I have really seen recently.
14 In the past, I may have reviewed some studies where
15 there were changes again in -- again, we are not talking
16 about chronically significant, when I was a physician,
17 called clinically significant changes, and white blood
18 counts.

19 But if you look at enough people and you do
20 statistical analysis, I think the decrease was a couple
21 percentage points of the total count. It wasn't that
22 great. And other people have reported that in the
23 literature, as well, but sitting here specifically right
24 now, I can't remember that particular literature.

25 Q All right. I want to go back to something you
00036

1 said earlier. By the way, any time you want to take a
2 break, let me know because I kind of find all this
3 morbidly fascinating and I will just go on without a
4 break unless you tell me you want one.

5 A Okay.

6 Q You said something about that you were like --
7 I think what you said was that you were relying on the
8 genotoxicity studies of benzene only to the extent of
9 how benzene causes AML and I want to ask you what are
10 you talking about, what do you mean by that?

11 A Well, like I said, that's the only -- I mean,
12 when I think of a genotoxicity test, I think of trying
13 to understand what you call the mechanism of the way in
14 which a disease process happens, and since AML was the
15 only cancer that we know of that is caused by benzene,
16 you know, I have spent some time trying to understand
17 how that actually happens.

18 And so it is in the context of trying to
19 understand, like I said, how it causes acute myelogenous
20 leukemia.

21 Q And have you come to some views on that?

22 A Well, yes. I think that the -- let's put it
23 this way, nobody is really certain of how this happens,
24 but when we look at all the genotoxicity tests, we
25 thought that they fit the best with inhibition of an
00037

1 enzyme called Topoisomerase II, that the kinds of
2 chromosomal changes that are seen in the genotoxicity
3 test were clastogenic changes and, in some sense, is
4 very similar to the kinds of changes one sees in certain
5 chemotherapeutic agents that inhibit Topoisomerase.

6 And, also, you know, David Eastman over at
7 Riverside has shown that some of the benzene metabolites
8 are capable of inhibiting Topoisomerase, which is a
9 really interesting enzyme. Since you are interested in
10 this, it is an enzyme that when DNA is all strung out
11 when it is in a quiescent cell, in order for it to
12 replicate DNA, has to package itself up.

13 Well, the problem is that DNA has formed all
14 these knots, so like you throwing a bunch of rope around
15 and, all of a sudden, you got knots and how then do you
16 wrap up your line so that you can package it properly.
17 Well, Topoisomerase actually cuts the DNA and allows it,
18 then, to relegate without the knot in it and so it is
19 responsible to being able to package up chromosomes.

20 Q I see. What happens if this enzyme, I think
21 you said, inhibited?

22 A Yes.

23 Q What happens, then?

24 A What happens is that they don't relegate
25 properly and so you get the possibility to have

00038

1 chromosomes that exchange parts of each other.

2 Q What's that called?

3 A Translocation.

4 MR. RIFF: He is playing dumb with you. He
5 knows all this stuff cold.

6 MR. METZGER: I don't.

7 MR. RIFF: He is doing a "butter wouldn't melt
8 in my mouth" examination right now. Gee, what's that
9 called, how does that work?

10 THE WITNESS: It is very specific. I mean, the
11 type of translocations that are -- it causes. For
12 example, in CML, you have the Philadelphia chromosome.

13 BY MR. METZGER:

14 Q I have heard of that.

15 A And that isn't caused by benzene in particular;
16 in other words, that particular translocation, which is
17 the 922 translocation, so it is very specific to
18 whatever it is that -- whatever kinds of translocations
19 in genetic effects seem to arise -- AML seems to arise
20 from. That benzene is able to produce this change.

21 Now, other people will say that there are other
22 mechanisms by which benzene also produces leukemia. I
23 mean, I am not trying to say that we know for sure how
24 this happens, but that's at least my working hypothesis
25 and I think it has been fairly substantiated in the

00039

1 literature.

2 Q You mentioned that there are certain

3 translocations that are associated with Topoisomerase
4 inhibition. What are those?

5 A Well, the main one, I think, is the 1123
6 translocation that has been described in benzene workers
7 but, again, it may not be just the translocation
8 itself. It may have to do with something in addition to
9 the translocation. I mean, this is the way that I think
10 mechanistic studies really work.

11 You can't necessarily go from the mechanism and
12 say, well, gee, if it can do that, then maybe it can do
13 this and maybe it can do this, as well. But if we know
14 that a disease is being caused by a particular agent,
15 then we can go and take a look and see if we can
16 understand how that actually happens, and I think those
17 kinds of translocations are important to understand.

18 Q Are there any other translocations other than
19 the 1123 that fall into this category?

20 A There are a number of them, I think, that have
21 been described but, again, we run into the problem of
22 knowing whether or not they are, indeed, secondary to
23 benzene exposure.

24 Q I thought you were talking about Topoisomerase
25 inhibition. Do you equate that with benzene exposure?
00040

1 A Well, I said that's my working hypothesis, that
2 the way in which benzene works to cause these
3 chromosomal translocations is through inhibition of
4 Topoisomerase.

5 Q I understand. And are there any particular
6 chromosomal translocations other than 1123 that are
7 associated with Topoisomerase inhibition? You said, for
8 example, 922 is not. Are there any other than 1123?

9 A There are lots of them. I mean, you look at
10 the various agents, there are all these top side
11 chemotherapeutic agents, there are things like
12 Bleomycin, there are a number of agents that produced
13 lots of varieties of chromosomal translocations.

14 Q What do those have to do with Topoisomerase
15 inhibition?

16 A Well, that's how these chemotherapeutic agents
17 work. They inhibit Topoisomerase and that kills the
18 cells, the rapidly dividing cancer cells by not allowing
19 them to properly package their chromosomes because it
20 inhibits that enzyme, Topoisomerase, which is absolutely
21 necessary to allow a cell to survive.

22 Q How does that -- if the cells die, how does
23 that matter? I am not following.

24 A Well, it is the cells that don't not die that
25 matter, but the general effect is to try to kill
00041

1 replicating cells.

2 Q Say it again. Cells that don't not die, I
3 don't get it. I think you misspoke.

4 A In terms of the way the cancer chemotherapeutic

5 agent works, killing the cells is the important thing,
6 killing the rapidly dividing cells. In terms of
7 producing AML from benzene, it also kills the cells, but
8 it is the cells that it doesn't kill that are the
9 important ones because they potentially then could go on
10 to cause AML.

11 Q Are those the cells that develop these
12 translocations you are talking about?

13 A Yes.

14 Q I see.

15 MR. RIFF: He actually did mean don't not die.
16 You and I thought, when he said important, the survival
17 of the patient, but what he was talking about was the
18 induction of the disease.

19 MR. METZGER: I see. Whatever, I think we got
20 it clarified. I was just thrown by the double
21 negative.

22 THE WITNESS: You did. I don't think I used a
23 double negative.

24 MR. METZGER: You did so correctly.

25 THE WITNESS: I thought I said the cells that
00042 don't die.

2 MR. METZGER: You said, "don't not die," but I
3 think you clarified it.

4 Q Well, I come back to my question. Other than
5 the 1123 translocation, are there any other
6 translocations that are caused by Topoisomerase
7 inhibition?

8 A Let me just check to make sure I have got that
9 right one. It is either 1123 or 1121. 821, sorry.
10 821.

11 In a case study examining chromosomes in 821
12 workers exposed to benzene, translocations between the
13 two chromosomes were increased up to 15 fold in their
14 peripheral blood.

15 Q Okay. So when you said "1123," you meant 821?

16 A 821, sorry.

17 Q You are referring now -- is this your own
18 article?

19 A Yes.

20 Q So I know where to find that, but when you were
21 referring to 1123, I think you were actually referring
22 to something else. I think you were actually referring
23 to 11Q23, which is the MLLG; am I right?

24 A I think that's right. You are right about this
25 guy, he knows a lot more than --

00043 Q The 11Q23 abnormality is also caused by a
2 Topoisomerase inhibition?

3 A I believe so, but I would have to go and look
4 at that further.

5 Q All right. You mentioned that Eastman has
6 shown that benzene metabolites inhibit Topoisomerase,

7 and what benzene metabolites are you referring to?
8 A Well, I think he looked at hydroquinone and he
9 looked at trihydroxybenzene.

10 Q What?

11 A Trihydroxybenzene. Hydroquinone and dioxy and
12 trioxy as well. They are the hydroculated benzenes.

13 Q What does "hydroculated" mean?

14 A OH group on it. Of course, the interesting
15 thing is with hydroquinone, you have hydroquinone to an
16 animal, it doesn't cause any of the kinds of things that
17 benzene does, so this is a curious problem that we have
18 with benzene and hydroquinone toxicity.

19 Q Do you attribute that to, perhaps, the fact
20 that animals, in general, for at least the rodent
21 models, do not develop leukemia from benzene?

22 A No, I am just talking about the -- all of the
23 carcinogenic effects that benzene causes in animals.

24 Q Would you agree with me that using rats and
25 mice doesn't work as a model for benzene-induced

00044

1 leukemia because there have been many attempts to induce
2 leukemias in rats and mice with benzene and they have
3 largely been unsuccessful?

4 A That is very correct, and that is something
5 that I have been -- it is not just -- rodent models just
6 aren't very good in general for assessing human cancer.

7 Q But especially -- they are especially not good
8 for assessing benzene-induced leukemia because we all
9 know that benzene causes AML in humans, but it does
10 adopt it in animals; right?

11 A That is correct. There were a couple of models
12 that people thought were working with these viral --
13 virus-induced leukemias that in benzene increased, but I
14 don't think anybody believes those are really relevant
15 to anything.

16 MR. METZGER: All right. Riff is looking at
17 his watch.

18 MR. RIFF: No, I am fine. Keep going.

19 MR. METZGER: You are all right to go. Do you
20 want to take a break?

21 THE WITNESS: Unless if we are going to go on
22 this same road, we might as well continue. If we are
23 going to change topics, maybe good time to take a break.

24 MR. METZGER: Let's take a break.

25 (Recess taken.)

00045

1 BY MR. METZGER:

2 Q Dr. Whysner, you mentioned, I guess, the study
3 by Eastman that shows that certain metabolites of
4 benzene inhibit Topoisomerase II; correct?

5 A Yes.

6 Q There are some other studies which have been
7 published which report that -- confirm that there are
8 metabolites of benzene in addition to hydroquinone that

9 inhibit Topoisomerase II?

10 A I believe that's correct. You know, since I
11 left the American Health Foundation, I haven't really
12 followed the story quite as closely as I used to.

13 Q Well, are you aware of what other benzene
14 metabolites have been shown to inhibit Topoisomerase II
15 or to poison -- strike that.

16 Are you aware of other studies that have shown
17 that benzene metabolites inhibit Topoisomerase?

18 A Different ones than those, we talked about
19 hydroquinone.

20 MR. RIFF: You said studies.

21 THE WITNESS: Studies. I think I have read
22 them over and I have seen them, but I can't specifically
23 recollect who did them or which metabolites they were
24 looking at.

25 BY MR. METZGER:

00046

1 Q All right. Have you researched -- well, strike
2 that. Let me ask you something.

3 You mentioned that certain chemotherapy drugs
4 or agents inhibit Topoisomerase II and I think you
5 identified a few of those, Bleomycin and --

6 A Well, Bleomycin is actually used for treating
7 psoriasis.

8 Q Is it a Topoisomerase inhibitor?

9 A Yes.

10 Q Are there other drugs that are also
11 Topoisomerase inhibitors?

12 A Yes.

13 Q What are those?

14 A Well, for example, the drug -- one of the drugs
15 that's used for treating breast cancer, Adriamycin, I
16 believe that's primarily through Topoisomerase
17 inhibitions.

18 Q Any others?

19 A Not that I recollect specifically right --

20 Q Doxorubicin?

21 A Doxorubicin, yes, that's the other word for
22 Adriamycin.

23 Q And what about mitazanztrone?

24 A I am not familiar with that one.

25 Q Are you familiar with the body of literature

00047

1 that -- strike that.

2 Are you familiar with the hypothesis or theory
3 that benzene toxicity can be modeled on
4 chemotherapy-induced leukemia, AML? Have you read any
5 articles where people used -- strike that. It is a bad
6 question.

7 Have you read any articles where researchers
8 have considered benzene-induced AML based upon a model
9 of chemotherapy-induced AML?

10 A Well, that -- yes, and that has to do with,

11 again, with some of the Topoisomerase inhibitors,
12 although certain genotoxic, other kinds of genotoxic
13 effects, people have also considered could, perhaps,
14 model.

15 But the reason I mention Bleomycin is because
16 the types of translocations that are caused by top sides
17 are not the kind that one would, I think, necessarily
18 expect to be prevalent in AML, and so -- but Bleomycin
19 does do that and it is a Topoisomerase inhibitor, which
20 is the reason for bringing the Bleomycin into the
21 picture.

22 Q What chromosome abnormalities or
23 translocations -- strike that.

24 Let me just see what he said.

25 A Correct. I meant Bimolane, not Bleomycin.
00048

1 B-i-m-o-l-a-n-e.

2 Q All right. What other agents were you
3 referring to just a moment ago, I think you were trying
4 to refer to alcyating agents?

5 A Yes.

6 Q So you are familiar with that body of
7 literature that addresses the chromosome abnormalities
8 caused by alcyating agents and which attempt to relate
9 that to certain chromosome abnormalities caused by
10 benzene?

11 A Well, at least as of a few years ago, I was
12 familiar with that literature when I was writing this
13 paper. I haven't really kept up with that literature
14 since then.

15 Q And do you think that that's an appropriate
16 model to consider?

17 A Well, only -- I don't, only because none of the
18 genotoxicity tests results really indicate that benzene
19 or its metabolites are mutagenic agents, and one would
20 think that if there was DNL alcylation going on, that
21 one would see classical mutagenicities, Ames test
22 positive. There have been hundreds of Ames tests done
23 and virtually negative.

24 Q But, nevertheless, there is a correlation in
25 human studies between abnormalities of chromosome 5 and
00049

1 7 in alcyating agent-induced AML and benzene-induced
2 AML; right?

3 A I think that's correct. Now, I think that's
4 what I remember from several years ago, but I am not 100
5 percent sure, sitting here right now.

6 Q And that correlation would tend to suggest that
7 there is an alcylation effect of benzene?

8 A Well, but --

9 Q In humans, at least?

10 A Yes. I think it is, again, the attribution
11 issue is heard, I don't know whether you can make a
12 cause and effect relationship there. I mean, it does

13 happen, and benzene, apparently, can do this under
14 certain circumstances, but I am not really sure that
15 that's involved mechanistically.

16 And for the reasons as I mentioned, we just
17 can't -- can't -- don't -- I mean, in certain
18 experiments, you can find DNA at benzene, but we don't
19 really see in the kind of mutagenicity, which would lead
20 us to believe that it is, indeed, acting through an
21 alcylation.

22 Q I understand. Is it for that reason that your
23 view is that the more biologically plausible mechanism
24 of benzene-induced leukemia is through Topoisomerase
25 inhibition?

00050

1 A As I said, I am not going to say -- I really
2 think that I couldn't say 100 percent that that is the
3 case. I am just saying that the -- at least the
4 genotoxicity literature points in that direction more
5 than in other directions.

6 Q That's all I am asking you. It is more
7 probable than an alcylation mechanism?

8 A From the genotoxicity data. Now, as you
9 mentioned, there are some other things that people bring
10 up that if you looked at it from a different viewpoint,
11 strictly from a -- from what happens under certain
12 circumstances, you could reach a different conclusion on
13 that issue.

14 Q Now, I want to ask you kind of a basic
15 question. Considering Non-Hodgkins lymphoma -- let me
16 back up.

17 From what we have been discussing, it is known
18 that AML can be a secondary cancer in the sense that it
19 can be caused by cytotoxic chemical exposure; right?

20 A Correct.

21 Q Do you have an opinion whether Non-Hodgkins
22 lymphoma can be caused by chemotherapeutic cytotoxins?

23 A I think it has been reported in the
24 literature. How good the data, I never really looked
25 into how good the data is or what types of Non-Hodgkins

00051

1 lymphoma have been particularly reported that way.

2 Q Is it true, then, that as a general matter, you
3 do believe that at least some Non-Hodgkins lymphomas can
4 be caused by cytotoxic chemical exposure?

5 A I don't know the answer to that. Like I said,
6 I have not really -- I have seen that it has been
7 reported, but, you know, lots of things have been
8 reported in the literature. Whether or not the
9 preponderance of evidence would make me think that it is
10 true, I am not sure.

11 Non-Hodgkins lymphomas are a pretty common form
12 of cancer and so I haven't really specifically looked
13 into that literature, nor have I looked into what kinds
14 of Non-Hodgkins lymphomas might have been involved.

15 Q If multiple studies following patients who have
16 been treated with chemotherapy alone and not radiation
17 show that 20 to 30-fold excess risks of developing
18 Non-Hodgkins lymphoma, which is in those data are highly
19 significant, P value less than 0.001, would that be
20 persuasive data to you on this point?
21 MR. RIFF: Incomplete hypothetical.
22 You may answer.
23 THE WITNESS: Well, again, are we talking about
24 a chemotherapeutic agent that works through a particular
25 mechanism or just chemotherapeutic agents in general? I
00052
1 mean --
2 BY MR. METZGER:
3 Q Well, data for both alcyating agents and for
4 Topoisomerase inhibitors.
5 A Again, I would have to look at the data. I
6 have to look at the studies to make an opinion.
7 Q Have you considered the studies showing that
8 benzene -- strike that. Let me back up.
9 Let's talk a little bit about Non-Hodgkins
10 lymphoma. You indicated there are different types;
11 correct?
12 A Yes.
13 Q What are they?
14 A Well, there are probably about 20 different
15 types.
16 Q What are they?
17 A Well, there is the kind that Mr. Remboldt had,
18 which is the diffuse large cell type. There is the
19 diffuse small cell type. There is the diffuse mixed
20 cell type. There is the small cleave cell type. There
21 is the Burkitt's lymphoma. There are various T-cell
22 lymphomas, various kinds. And I have got some
23 references I can look up.
24 Q That's fine. You are familiar with these
25 types, I can see, so we can talk about them?
00053
1 A Somewhat.
2 Q Mr. Remboldt's lymphoma is of a B-cell type,
3 not a T-cell; correct?
4 A Yes.
5 Q And he does not have Burkitt's lymphoma;
6 correct?
7 A Correct.
8 Q By the way, while we are talking about
9 Burkitt's lymphoma, are you aware of literature
10 reporting Burkitt's lymphoma as an effect of
11 immunosuppression in AIDS patients?
12 A Not particularly. I usually have thought of it
13 as a disease that's predominantly in Africa, associated
14 with Epstein-Barr virus, but now that you mention it,
15 there may have been a report recently that I saw in one
16 of my medical journals that that's been found, but I am

17 not positive about that.

18 Q In reaching your opinion on general causation
19 in this case with respect to benzene and organic
20 solvents developed in Non-Hodgkins lymphoma, have you
21 considered the study -- strike that. Back up.

22 Are you familiar with any chromosome
23 translocations that are associated with any of these
24 forms of lymphoma that you mentioned?

25 A Well, I know there are chromosomal -- a bunch
00054

1 of different kinds of chromosomal translocations, but I
2 haven't looked specifically into that in this case.

3 Q Or have you looked at any other chromosome
4 abnormalities, not just translocations, that are part of
5 the diagnosis -- or strike that.

6 Have you looked at any other chromosome
7 abnormalities other than translocations that are
8 characteristic of lymphomas?

9 A Well, I didn't really look at -- I mean, for a
10 couple reasons, I didn't see any of those in
11 Mr. Remboldt's records, so I didn't really look at
12 any -- consider -- really consider them.

13 Q But as part of your research, apart from this
14 case, have you looked at that at all?

15 A I haven't, really, in detail, no. I know there
16 are various translocations and some of them have been
17 associated with different types of lymphomas, but I
18 haven't really concluded anything from them.

19 Q And in reaching your opinion on your negative
20 opinion on general causation with respect to benzene and
21 organic solvents and Non-Hodgkins lymphoma, have you
22 considered those studies which report chromosome
23 abnormality characteristic of Non-Hodgkins lymphoma
24 being caused by benzene metabolites?

25 A Could you repeat that question.
00055

1 (Record read.)

2 THE WITNESS: Well, my negative opinion is
3 based upon mostly on the epidemiology literature on
4 benzene and exposures and Non-Hodgkins lymphoma, and I
5 didn't really see where any of those studies included
6 any kind of a chromosomal analysis that I recollect, but
7 as we go through the studies, maybe it is in there, but
8 I don't think so.

9 BY MR. METZGER:

10 Q As you sit here today, are you aware of any
11 studies that have reported the induction of chromosome
12 aberrations characteristic of Non-Hodgkins lymphomas
13 being induced by benzene metabolites?

14 A Well, let me just say, as a general rule, all
15 sorts of -- I mean, stages, various stages of cancer,
16 people get all sorts of different chromosome aberrations
17 that aren't necessarily causally related, but have to do
18 with the progression of their disease. But I haven't

19 really -- I guess I am having trouble answering your
20 question because -- let me put it this way.
21 If benzene had been shown to cause Non-Hodgkins
22 lymphoma and there were studies, then, that correlated
23 the findings of Non-Hodgkins lymphoma with the benzene
24 exposure and there were certain chromosomal
25 translocations involved, I am not aware of any studies
00056 like that.
2 Q You were about to say what?
3 A That's all I was about to say. I am not aware
4 of any studies like that, so I don't know how I would
5 use them in formulating my opinion about benzene not
6 causing Non-Hodgkins lymphoma, which was the question
7 you asked.
8 Q Okay. If there were such studies, you would
9 probably be of the opinion that benzene does cause
10 Non-Hodgkins lymphoma; correct?
11 A Well, if there were studies, if there were lots
12 of studies that associated benzene exposures with
13 Non-Hodgkins lymphoma, that was the preponderance of
14 evidence, yes, but that isn't the case.
15 Q But if there were studies that showed that
16 benzene metabolites induce the chromosomal abnormalities
17 characteristic of Non-Hodgkins lymphoma, you would
18 consider that important as a mechanism for
19 benzene-induced Non-Hodgkins lymphoma; true?
20 A Only if the disease were induced, indeed, been
21 shown to be caused by benzene. I mean, you can find
22 trans -- all sorts of chromosomal changes in -- maybe in
23 a test tube you are talking about or in an animal
24 induction study which, again, we agree is not a very
25 good model.
00057 But I think -- I don't think you can use
1 particular chromosomal translocations and say ah hah,
2 some of those occur in Non-Hodgkins lymphoma and benzene
3 can cause that, therefore, benzene can cause NHL. I
4 don't think that works.
5 Q But if specific studies are done where human
6 lymphocytes are exposed to benzene metabolites and those
7 cells develop the translocations which are
8 characteristic of lymphoma, you would consider that
9 important evidence indicating that there might be a
10 causal relationship between benzene exposure and
11 Non-Hodgkins lymphoma; true?
12 A Well, depends on what you mean by "might be."
13 I mean, if I did that study in a lab and I found it and
14 I could replicate that study, I don't know what I would
15 do with it, actually, since the studies between benzene
16 and NHL are negative, I think to myself, gee, that must
17 be kind of a red herring.
18 Q You mean the epidemiologic study?
19 A Yes, since there is no causal relationship from

21 the epidemiology between benzene and NHL, but I found
22 that in the laboratory, I would probably say to myself,
23 well, that's another red herring that we find all the
24 time, which is true.

25 I mean, you can find P53 mutations caused by
00058

1 all sorts of chemicals, you can find all sorts of
2 genotoxicity from chemicals that have never been shown
3 to cause cancer. You can find P53 mutations, we know
4 those are probably caused by chemicals that don't
5 have -- never been shown to lead to cancer. Vitamin C
6 is a good example. I mean --

7 Q I would like you to assume hypothetically that
8 both the case control epidemiologic studies and the
9 cohort epidemiologic studies do show that benzene causes
10 Non-Hodgkins lymphoma.

11 In that case, would it be important to you,
12 from a mechanistic standpoint, that benzene metabolites
13 significantly induce the chromosome translocations that
14 have -- that are characteristic of Non-Hodgkins
15 lymphomas?

16 A Under those circumstances, it might not be a
17 red herring and it could be important to understand the
18 mechanism of the disease.

19 Q As you sit here today, are you able to identify
20 any studies that have been done to investigate whether
21 benzene metabolites induce chromosome abnormalities
22 characteristic of Non-Hodgkins lymphomas?

23 A Identify them? No.

24 Q Have you read any such studies?

25 A Offhand, I can't recollect any studies.
00059

1 Q Were you provided a CD-ROM of studies regarding
2 benzene and Non-Hodgkins lymphoma for this case to
3 review?

4 A Yes.

5 Q And do you have that here?

6 A No.

7 Q Do you recall that -- well, did you read all
8 the studies on this CD-ROM?

9 A No. I read the ones that Dr. Infante or
10 Dr. Harrison specifically mentioned during their
11 depositions because my recollection was Dr. Infante's
12 characterization of that CD-ROM was your studies and not
13 necessarily his studies, at least that's my
14 recollection. So I went according to the studies that
15 he and Dr. Harrison described in that CD-ROM.

16 Q Did you read a study by David Dar, D-a-r?

17 A I believe so. What was it about?

18 Q An inadvertent -- I shouldn't say that. It was
19 about a specific hydroquinone inducing a lymphoma in
20 humans.

21 A Well, is that one that Dr. Infante mentioned in
22 his deposition?

23 Q I don't recall.
24 A I don't see it, D-a-r, or Dr. Harrison?
25 Q I think Dr. Harrison did mention it.
00060
1 MR. RIFF: That's what I think.
2 THE WITNESS: But it wasn't on that list of
3 his. Yes, I see it in Dr. Harrison's.
4 BY MR. METZGER:
5 Q I only want to know if you read that study or
6 not.
7 A I don't recollect that study. I think, again,
8 I read all of them that Infante --
9 Q Let me try to help you here. It was a human
10 case report. I think you said you haven't reviewed
11 that, so you haven't read that study?
12 A No. Correct.
13 Q Now, there was -- let's change topics.
14 You told me that you are going to talk about
15 specific causation; correct?
16 A Yes.
17 Q And apart from your negative general causation
18 opinion, are there other reasons why you hold the
19 opinion that benzene and organic solvents did not cause
20 Mr. Remboldt's Non-Hodgkins lymphoma?
21 A Well, a couple of reasons. First of all, I did
22 review the industrial hygiene records that were
23 available and that Mr. Wabeke also put together, and I
24 didn't see any indication from those of documentation of
25 the kind of exposure levels that one would even think
00061
1 could be associated with any kind of disease produced by
2 benzene.
3 Q And what exposure levels are you referring to?
4 A Well, for example, there were some levels that
5 were described for -- by Chevron, some industrial
6 hygiene records.
7 Q I am not being clear. You said there was no
8 documentation of exposure levels that one would think
9 could even be caused by benzene. My question is: What
10 exposure levels do you think can be caused by benzene?
11 Bad question.
12 What exposure levels are there that you think
13 could cause -- strike that.
14 What exposure levels of benzene are there that
15 you think cause AML?
16 A AML, okay. I think that certainly above 200
17 parts per million years has been documented in the
18 literature, but I think that there is a kind of a gray
19 area between 40 and 200 parts per million years where
20 some people --
21 I mean, the Rinsky study shows an increase, but
22 other people re-analyzed that data and said that didn't
23 actually occur until 200, so I think it is a gray area
24 between 40 and 200, but certainly it takes 40.

25 Q 40 parts per million years?
00062
1 A Yes.
2 Q Are you aware of any epidemiologic studies that
3 have reported significantly increased AML at benzene
4 doses less than 40 parts per million years?
5 A Well, I think that -- I think the Chinese study
6 has reported a somewhat lower level, but I think that
7 EPA still sticks with the Rinsky study as a most
8 reliable based upon the way in which the exposing
9 strips are put together.
10 Q What study are you referring to?
11 A The Hayes study.
12 Q Are there any other epidemiologic studies that
13 you are aware that have reported significantly increased
14 excesses of AML among workers exposed to benzene at
15 levels less than 40 parts per million years?
16 A I think the only study that I am aware of above
17 that would corroborate the Rinsky study is the
18 Costantini study.
19 Q What study is that?
20 A Costantini.
21 Q Yes. Was that a case control or cohort study?
22 A I believe it is a cohort study. This was
23 reported in the Scandinavian Journal of Environmental
24 Health 2003.
25 MR. RIFF: Cohort or case control, is the
00063
1 question.
2 THE WITNESS: I know. Well, it is a cohort
3 study. It is a cohort study and this is their graph of
4 that data.
5 BY MR. METZGER:
6 Q You are referring to a study entitled Exposure
7 to Benzene and Risk of Leukemia Among Shoe Factory
8 Workers, lead author, Costantini?
9 A Costantini.
10 Q 2003. Okay. Are you aware of any other
11 studies that have reported significantly increased
12 excesses of AML among workers exposed to benzene at
13 concentrations less than 40 parts per million years?
14 A Well, as I mentioned, there is one or two
15 Chinese studies and I think it is -- I think that's the
16 same group that Hayes was involved.
17 Q Other than the Chinese cohort?
18 A No.
19 Q Okay.
20 A Then you asked for any other reasons for it.
21 Q I was going to get to that for specific
22 causation.
23 A For specific causation, the other -- there are
24 some other factors, such as Mr. Remboldt's obesity and
25 possibly his overall stature. That provides some

00064

1 alternative explanation for risk factor, at least for a
2 Non-Hodgkins lymphoma.

3 I think there have been now six or seven
4 studies that have associated people with a body mass
5 index of his with an increased risk of -- for NHL.
6 There has been one study, actually, that even talked
7 about height being associated with increased NHL.

8 There are other studies that also talk about
9 nonsteroidal analgesics use and also antibiotic use
10 associated with Non-Hodgkins lymphoma, and certainly in
11 his medical record, there is ample evidence that he was
12 troubled by certain problems that would lead to his use
13 of antibiotics and nonsteroidal drugs.

14 And there is also documentation in the medical
15 records regarding the prescribing of those drugs, and
16 those have been -- I would say that in all fairness, the
17 literature is not as firm on those as possible risk
18 factors, but that is certainly a possibility in terms of
19 alternative causation.

20 I don't think that he has any other major risk
21 factors like -- I mean, there is, you know, Hepatitis-C
22 has been another one that seems to be increasing in
23 stature as a possible etiologic agent. Non-Hodgkins
24 lymphoma, as have other infections, have been
25 well-described as being involved in certain types of

00065

1 NHL, but -- and there is some indications in his records
2 that he had some unexplained conditions that it is
3 possible he could have had hepatitis.

4 But I can't say with any kind of certainty
5 that, for example, I saw in one medical record he had
6 nausea and he was feeling bad for several days and he
7 had fever and it said SGOT with a check next to it, but
8 I couldn't find any part in the medical record where
9 there is actual documentation of whether his liver
10 enzymes had been taken.

11 So there is a question in my mind about whether
12 or not it is possible that he could have had some form
13 of hepatitis. So those are the kinds of things that I
14 would think of in terms of alternative causation
15 issues.

16 Again, like I say, obesity is definitely the
17 strongest one, and he has fought obesity, apparently,
18 his whole life. The first record that I found in his
19 medical record, he was 11 years old and he was
20 considered to be obese, and his BMI has maybe briefly
21 dropped below 30 once, but mostly has been in the high
22 30's.

23 Q Okay. All right. Let's back up a moment.
24 What you are talking about now are risk factors for
25 Non-Hodgkins lymphoma; correct?

00066

1 A Correct.

2 Q And you have used the word "possible" in

3 referring to some of these possible risk factors. I
4 want to first get from you, if I could, a breakdown or a
5 list of those factors or agents which you believe are
6 known to cause Non-Hodgkins lymphoma and then those
7 which you considered to be possibles. Could we do that?

8 A Okay. Although I think that the obesity one,
9 at one time I thought it was a possible, but I think it
10 is more of a probable, but I wouldn't put it in the same
11 category with immunosuppression therapy or H.I.V., which
12 are known.

13 MR. RIFF: Point of order --

14 MR. METZGER: Let him finish his answer, then
15 the point of order.

16 MR. RIFF: So, I mean, I would say that there
17 may be yet another category called probable. That was
18 my point of order.

19 MR. METZGER: Fine.

20 Q All right. Good plan. So we are categorizing
21 them as known and accepted, probable and possible.
22 Okay?

23 A And lots of other things that are not likely,
24 but have been studies like that where I'll put benzene.

25 Q Okay. All right. So let's start with the
00067

1 first category at which you think we have agreed is
2 going to be those agents or factors which are known
3 causes and accepted causes of Non-Hodgkins lymphoma.

4 A Correct.

5 Q Okay. What are those?

6 A Well, certainly certain immunosuppressive drugs
7 have been used for people with organ transplant,
8 post-organ transplant. People have had a high incidence
9 of Non-Hodgkins lymphoma.

10 There is -- there are familial
11 immunosuppression syndromes. We mentioned Epstein-Barr
12 virus with a particular form, Burkitt's lymphoma.
13 H.I.V. is certainly considered to be a risk factor.

14 Q That would be the known cause category?

15 A Yes. Known risk factors, meaning implying
16 there is some causal relationship there.

17 Q But, right now, I am asking are you, for those
18 agents or for the factors which you, John Whysner,
19 consider to be causes of Non-Hodgkins lymphoma, and so
20 far you listed immunosuppressive drugs, certain familial
21 immunosuppressant syndromes, Epstein-Barr virus for
22 Burkitt's lymphoma, and H.I.V. Are there any others?

23 A There is a T-cell virus associated with
24 lymphoma. I can't remember the exact name of it now.

25 Q Human T-cell lymphotropic virus?
00068

1 MR. RIFF: Hyphen one, right.

2 THE WITNESS: I mean, let me get out --

3 MR. RIFF: HTL is hyphen one, I think it is.

4 BY MR. METZGER:

5 Q You can do that. He is hitting the books. I
6 just want to know what it is you are hitting?
7 A You have my whole file.
8 Q I don't know what you are looking at.
9 MR. RIFF: You are looking at Riff on Hodgkin's
10 lymphoma.
11 THE WITNESS: I am looking at Al Freedman and
12 Nadler on Non-Hodgkins lymphoma. Although their table,
13 I don't necessarily agree with. I am just looking at
14 this table here because I know this Phenytoin, this --
15 Q Phenytoin?
16 A Phenytoin, I was coauthor on that paper. I am
17 not real sure that's been established. Let's call it
18 P-h-e-n-y-t-o-i-n, right. Or dimethylhydantoin is
19 another name for it. So those are the ones that I can
20 recollect that are clearly causally related with
21 Non-Hodgkins lymphoma.
22 I would probably also, at this point in terms
23 of what we have seen, those are the ones I think
24 everybody in the world would agree with in all the
25 textbooks. The reason I put obesity is as a probable
00069 category.
1 Q Let's get to -- well, go ahead, finish your
2 thought.
3 A The next category is probable.
4 Q Well, were you going to -- about to say you --
5 the reason you put obesity in the probable category is
6 why?
7 A Because it is relatively new. I mean, the
8 studies are just emerging right now. I think there
9 are -- have been a preponderance of studies that have
10 found an association with, but there have been one or
11 two negatives studies, as well.
12 I think that, for example, IARC has evaluated
13 all the information on some of these things like H.I.V.
14 and immunosuppressive drugs and found them to be
15 associated with Non-Hodgkins lymphoma.
16 They have not done that with obesity and on
17 Hodgkin's lymphoma, so there is one where me, John
18 Whysner, would say, I think it is also a causal, there
19 is a certain -- I think it is an established risk
20 factor. How it causes it is kind of hard to figure out
21 and I don't think anybody has done any of the kind of
22 adequate mechanistic studies to try to really figure
23 this out.
24 So I think from that epidemiological
25
00070 standpoint, the preponderance of evidence is a risk
1 factor. How that translates in to be a causal agent,
2 that's where I have a difficult time with obesity in
3 terms of trying to figure out the mechanism by which it
4 happens.
5 Q Got it.
6

7 A Now, Hepatitis-C is -- I would put in the --
8 also in the probable category, not because I wouldn't
9 see how it could cause Non-Hodgkins lymphoma, because we
10 know how Hepatitis-B is related to liver cancer and so
11 forth, but I don't think the evidence is quite as good.
12 There are some people who have found it to be associated
13 and some people, not so much. So I would put that in
14 the -- also in the probable category.

15 And then there are some other things that are,
16 I would say, possible. The studies I think are -- there
17 are some studies that are positive and some studies that
18 are negative, and here, I am thinking about things like
19 H pylori, p-y-l-o-r-i, autoimmune diseases.

20 And I am sort of blanking on -- if I went
21 through my file, I might be able to come up with a
22 couple of other things where there is evidence on both
23 sides and it is -- I think the jury is still out on it.

24 Q I am not so concerned about the possibles.
25 Have you told me now all of the factors or agents which
00071

1 you considered to be known causes and those which you
2 put in the probable category?

3 A I think so.

4 Q Let's talk about some of them. The first thing
5 you mentioned was immunosuppressive drugs. What drugs
6 are you talking about?

7 A Well, azathioprine is one. Let me just look,
8 find the right reference. The reason I know that one
9 offhand, I think IARC has actually classified it as a
10 human carcinogen.

11 Another one in the possible category would be
12 the herbicides. We don't really know exactly 24D245T,
13 whether there is dioxin contaminants in those. That's a
14 possible -- I know that the National Academy has
15 reviewed all that data, but I am not real sure that it
16 is -- that one is a proven one.

17 Q Are you putting that in possible or probable?

18 A I would say possible.

19 Q Okay.

20 A This reference talks about drugs, but doesn't
21 actually give specific ones.

22 Q Well, all right. I don't want to belabor the
23 point. Basically, the class of immunosuppressive drugs,
24 those drugs which are used to suppress the immune system
25 of patients who have undergone organ transplant patients
00072

1 so that they don't reject the new organ?

2 A Correct.

3 Q Fair enough?

4 A Correct.

5 Q Whatever those drugs are. All right. You
6 refer to certain familial immunosuppression syndromes.
7 Is that something like Alleve for (inaudible) syndrome,
8 is that the kind of things we are talking about?

9 MR. RIFF: Is that an immunosuppressant?
10 MR. METZGER: Familial immunosuppression
11 syndromes.
12 THE WITNESS: Klinefelter, which is Wiskott
13 Aldrich syndrome. There are a couple others here, I
14 don't want to spell them. I don't see the one you
15 mentioned here. I know Lufermony (ph.) is associated
16 with some other things, but I don't really remember it
17 being on a Hodgkin's lymphoma.
18 BY MR. METZGER:
19 Q Let me see what you are referring to. You are
20 referring to a chapter by Freedman and Nadler,
21 Non-Hodgkins Lymphomas, which is --
22 A Cancer medicine.
23 Q You mentioned Epstein-Barr for Burkitt's
24 lymphoma and H.I.V. and HTLV-1. Okay. Have you ruled
25 out immunosuppressive drugs as a cause of Mr. Remboldt's
00073
1 Non-Hodgkins lymphoma to a reasonable degree of medical
2 probability?
3 A Yes.
4 Q And to a reasonable degree of medical
5 probability, have you ruled out familial
6 immunosuppression syndromes as a cause of his
7 Non-Hodgkins lymphoma?
8 A Well, I haven't seen any indication of that in
9 the record.
10 Q So is the answer yes?
11 A Yes.
12 Q And to a reasonable degree of medical
13 probability, have you ruled out Epstein-Barr virus as a
14 cause of his Non-Hodgkins lymphoma?
15 A Yes.
16 Q And to a reasonable degree of medical
17 probability, have you ruled out H.I.V. as a cause of his
18 Non-Hodgkins lymphoma?
19 A I haven't seen any indication of an H.I.V.
20 testing in him.
21 Q To a reasonable degree of medical probability,
22 have you ruled that out?
23 A No. I just don't know.
24 Q Okay. To a reasonable degree of medical
25 probability, do you -- is it your opinion that H.I.V.
00074
1 caused his Non-Hodgkins lymphoma?
2 A No.
3 Q Okay. To a reasonable degree of medical
4 probability, have you ruled out HTLV-1 virus as a cause
5 of his Non-Hodgkins lymphoma?
6 A Yes.
7 Q Okay. Now, let's talk about -- well, no,
8 before we talk about the probables, I want to ask you
9 about these known causes that we just mentioned. Is
10 there anything in common about them?

11 A In common?

12 Q Yes. Do you see any commonality between these
13 five categories of known causes of Non-Hodgkins lymphoma
14 that you have identified?

15 A Well, I mean, I think that there is a
16 possibility that they are all related to an effect on
17 the immune system, although I have to admit I am not --
18 well, I think they would be all, yes, I am sorry. They
19 are all involved in effects on the immune system.

20 Q So now let's move on to the probable category.
21 And first one that you mentioned was obesity. To a
22 reasonable degree of medical probability, have you ruled
23 out obesity as a cause of Mr. Remboldt's Non-Hodgkins
24 lymphoma?

25 A No.

00075

1 Q To a reasonable degree of medical probability,
2 do you hold the opinion that Mr. Remboldt's obesity
3 caused his Non-Hodgkins lymphoma?

4 A Certainly I think that it was -- it depends on
5 how you use the word "cause." If you say it is a
6 significant risk because of his obesity, yes, it is a
7 significant risk. It elevated his chances of getting
8 Non-Hodgkins lymphoma substantially. If that's what you
9 mean by "cause," I would say yes.

10 Do I know how it could cause it
11 mechanistically? No, I don't understand how that works,
12 but I think there is enough epidemiological data to say
13 that it is, whatever you want to call it, a substantial
14 contributing factor or whatever.

15 Q Is it -- as a matter of science, do you
16 believe -- strike that.

17 As a matter of science, is it your opinion that
18 a causal relationship can be established in the absence
19 of any mechanistic basis?

20 MR. RIFF: Are you stuck on that question?

21 MR. METZGER: Yes.

22 MR. RIFF: Object to the form, vague.

23 THE WITNESS: I am not exactly sure what you
24 mean by any "mechanistic basis."

25 MR. RIFF: That's my problem.

00076

1 BY MR. METZGER:

2 Q As a matter of science, are you of the opinion
3 that causal relationships can be established and
4 accepted in the absence of any proposed mechanism?

5 A Yes.

6 Q Is there any literature that you can cite to me
7 that supports that opinion?

8 A Well, I think that, for example, when Ernst
9 Wonder first found that cigarette smoking was associated
10 with lung cancer in 1950 and other people then followed
11 up with several studies the next couple of years, I
12 think that there was enough information to know that it

13 was causally related without knowing how it did it.
14 So I think that the epidemiological proof
15 sometimes precedes understanding how it actually occurs.
16 Q And an example of that would be the recognition
17 of benzene as a leukemogen; true?
18 A As a cause of acute myelogenous leukemia?
19 Q Yes.
20 A Yes.
21 Q Okay. Now, you mentioned a preponderance of
22 studies. I do -- since you have raised the obesity
23 issue, I do need to ask you what those studies are that
24 you referred to. Can you identify them for me?
25 A Yes. First, is a study by Calle, C-a-l-l-e.

00077

1 Q If you could, perhaps, give us the first named
2 author and the title or an abbreviated title and the
3 journal and year, that would help.
4 A Okay. New England Journal of Medicine, it is
5 called Overweight Obesity and Mortality From Cancer in a
6 Perspectively Studied Cohort of U.S. Adults, and Calle,
7 he is from the American Cancer Society.
8 Q What year?
9 A I am sorry, 2003.
10 This study by Cerhan, C-e-r-h-a-n, and cancer
11 causes and control, anthropometrics, physical activity,
12 related medical conditions and a risk of Non-Hodgkins
13 lymphoma, and that's 2005.
14 This is Pan, P-a-n, and this is in the American
15 Journal of Epidemiology, physical activity, obesity,
16 energy intake, and risk of Non-Hodgkins lymphoma, a
17 population based case control study, and that's from
18 2005.
19 And there is one study that is negative in men,
20 but positive in women, and that is Rapp, R-a-p-p,
21 British Journal of Cancer, 2005, obesity and incidence
22 of cancer, a large cohort study of over 145,000 adults
23 in Austria.
24 Q Is that 2005, did you say?
25 A Yes. Yes, that's the ones.

00078

1 Q We have been going through a series of studies
2 which were in a Pendaflex folder; is that true?
3 A Yes.
4 Q Could I see the entire folder and the studies,
5 please. You have a label on this folder and could you
6 tell me what -- is that in your handwriting?
7 A Yes.
8 Q What does it say?
9 A S & J, 09, NHO alternative etiology.
10 Q What does that mean, what is SJ NHO?
11 A Steptoe is Steptoe & Johnson, ninth case that
12 my company has done for them. Non-Hodgkins lymphoma
13 alternative etiologies, meaning what we are talking
14 about.

15 Q So I am gathering, first of all, this is your
16 ninth case that you are consulting on for the Steptoe &
17 Johnson firm?
18 A For my company. It is not necessarily my ninth
19 case.
20 Q What is your company?
21 A Washington Occupational Health Associates.
22 Q And are you the president of that company?
23 A No.
24 Q What's your position with it?
25 A Vice president.

00079
1 Q Are you a shareholder in it?
2 A No.
3 Q What is your affiliation with that company
4 other than being vice president?
5 A I am an employee.
6 Q How many employees are there?
7 A We have about 20.
8 Q Who runs this organization?
9 A Ken Chase.
10 Q Who is he?
11 A He is a physician.
12 Q We'll get into this later. I don't want to do
13 it now. All right.
14 Now, regarding your company, this is its ninth
15 case with Steptoe & Johnson; correct?
16 A Correct.
17 Q Regarding you, was this your ninth case with
18 Steptoe & Johnson?
19 A No.
20 Q What's the number for you?
21 A It is probably about seven, but I am not 100
22 percent sure about that. I know the first one wasn't
23 with me.
24 Q So it would either be seven or eight?
25 A Right.

00080
1 Q And I am gathering that the seven or eight
2 cases include cases which you consulted on and cases
3 which you actually testified in; true?
4 A Yes.
5 Q Okay. Is it true that for not all of these
6 seven or eight cases did you actually give a deposition
7 or trial testimony?
8 A That's true.
9 Q And with respect to the seven or eight cases
10 that you have done with the Steptoe & Johnson firm, have
11 those been with Mr. Riff?
12 A No, not all of them.
13 Q How many of them?
14 A Gee, I would -- I don't precisely know. I
15 can't really tell you.
16 Q Can you estimate, half, more than half?

17 A Maybe half.
18 Q So is this a collection of articles that you
19 gathered yourself regarding alternative etiologies for
20 Non-Hodgkins lymphoma?
21 A Yes.
22 Q Now, you were going through identifying certain
23 studies in here. Did you identify every study in this
24 folder that related to obesity?
25 A As far as I could, yes.

00081
1 Q Okay.
2 A I mean, I might have missed one, but I went
3 through twice to try to find it.
4 Q All right. Leave it there, we'll probably
5 refer to it as we go on.
6 Now, I think you said earlier that at one time
7 you held the opinion that obesity was not a probable
8 cause of Non-Hodgkins lymphoma, but that recent studies
9 changed your mind. Is that a fair characterization?
10 A Well, the Calle study was the first that was in
11 2003, so then all of these other studies came out that
12 confirmed the American Cancer Society study, so
13 that's -- we only have one study when you -- I wouldn't
14 draw too much from it.
15 Q You identified four studies, one of which was
16 negative and In Med; correct?
17 A Correct.
18 Q The Calle, the Cerhan, and the Pan studies, you
19 considered to be positive studies?
20 A Yes.
21 Q Have you researched the literature to see
22 whether there are any other studies which address
23 obesity or anthropometric factors in Non-Hodgkins
24 lymphoma?
25 A Well, I have searched the literature and these

00082
1 are the ones that I was able to find.
2 Q Did you do a database search?
3 A You mean like PubMed?
4 Q PubMed or --
5 A Probably in order to find some of them.
6 Q Did you save the result, did you print out the
7 results of that search?
8 A No.
9 Q When did you do that search?
10 A I don't remember.
11 Q Was it within the last year?
12 A Well, since I don't specifically remember doing
13 it, I can't really comment on it.
14 Q You are not sure that you actually did a
15 database search?
16 A I probably did.
17 Q But you don't have a recollection?
18 A I don't have a recollection.

19 Q Fair enough. Are you aware of any other
20 studies which address the obesity or anthropometric
21 issue for Non-Hodgkins lymphoma other than these four?

22 A Not that I am aware of.

23 Q Are you aware of any authoritative body that
24 has recognized obesity as a cause of Non-Hodgkins
25 lymphoma?

00083

1 A Well, again, I don't know what you mean by --
2 authoritative body that has concluded that. The first
3 study, Calle, American Cancer Society, said in their
4 abstract that it was associated -- Non-Hodgkins lymphoma
5 was associated with body mass index. So is the American
6 Cancer Society an authoritative body?

7 Q Let's explore this. First of all, what you
8 just read is -- let me just see it, where you were
9 reading from. You were reading from the abstract of the
10 Calle study. Where were you reading from, I am sorry?

11 A Both men and women body mass index is also
12 significantly associated with higher rates of death due
13 to --

14 Q I see, okay. The word they use is
15 "associated"; correct?

16 A Correct.

17 Q This article does not say that body mass index,
18 high body mass index causes Non-Hodgkins lymphoma; true?

19 A You are absolutely correct, and I also would
20 not say that studies in general that find associations
21 necessarily mean there is a causal relationship.

22 Q Right.

23 A Exactly.

24 Q Okay. And this study was done by a number of
25 individuals; correct?

00084

1 A Correct.

2 Q All right. And is it true that the American
3 Cancer Society has not published any statement stating
4 that the American Cancer Society concludes that excess
5 body mass index causes Non-Hodgkins lymphoma?

6 A Well, they may have, but I am not aware of it,
7 but I might look it up --

8 Q Okay.

9 A -- to see if that is, indeed, the case. Just
10 to short-circuit all of this, I think I already said
11 that we had our list of things that were -- everybody
12 agreed upon that have been known for quite a while.
13 This is in the category of ones -- something that I
14 believe is caused because of all these recent studies
15 that have come out.

16 Q I understand.

17 A I don't think that there has been the time for
18 a body to even get together enough people to probably
19 come up with the kind of conclusionary statement that
20 you are talking about. I just believe that the

21 literature shows a causal association.

22 Q I understand. As you sit here today, you are
23 not aware of any authoritative body, scientific body
24 that has concluded that excess body mass index is a
25 cause of Non-Hodgkins lymphoma; true?

00085

1 A I don't know of anybody that has actually
2 evaluated it, to be quite honest.

3 Q Are you aware of any textbook that states that
4 excess body mass index is a cause of Non-Hodgkins
5 lymphoma?

6 A Well, nor would I expect them to because it
7 takes so long to put together a publication, so I
8 wouldn't even expect to see something like that for a
9 couple of years.

10 Q Okay. As you sit here today, do you have any
11 opinion, belief, or hypothesis as to a mechanism for
12 excess body mass or high body mass index to cause
13 Non-Hodgkins lymphoma?

14 A No.

15 Q Are you aware of any literature indicating that
16 high body mass index causes immunosuppression?

17 A No.

18 Can we take a little break?

19 (Lunch recess.)

20 THE WITNESS: I just want to clarify one of my
21 answers from before.

22 BY MR. METZGER:

23 Q Go ahead.

24 A I am trying to remember if, when we were
25 talking about -- you were asking about whether or not

00086

1 there were any studies below 40 PPM years, were we just
2 talking about cohort studies or were we including -- I
3 think it was my -- I thought we were talking about in
4 the context of cohort studies, because we talked about
5 Rinsky and we talked about Hayes and so forth.

6 But to be a complete answer, there is also the
7 case control study, the Australian study, the Debra
8 Glass study where one of those data points was somewhat
9 lower than 40 parts per million, it was sort of
10 marginally statistically significantly elevated, so I
11 just want to --

12 Q Do you have that study here?

13 A Yes.

14 Q That's okay. I am familiar with it. Well, as
15 long as you brought that up, do you find that study to
16 be well done?

17 A The Health watch -- Australian Health Watch?
18 There are -- you know, I have reviewed these huge
19 documents that Health Watch has put out and the case
20 control study, I have some problems with because there
21 are -- when they do the case control work, you are
22 pegging it to the supposedly unexposed group in order to

23 come up with differences, and in some of those
24 instances, that unexposed group has a very, very low
25 incidence of that particular disease.

00087

1 And so there have been some instances where I
2 have found that when they do the case control analysis
3 of that same group, even though they didn't find any
4 increase in the cohort part of the study, they found
5 sort of a dose response relationship but, yet, they have
6 not taken into account the fact that the data point they
7 are referring to seems to be awfully low.

8 And you wonder whether or not it is
9 inordinately low and that's actually the case for CLL in
10 that study, and so I have some reservations about the
11 way they have gone about doing that study.

12 Q Are you suggesting that they used an improper
13 control group?

14 A No, not an improper control group, but the
15 control group was, at least in some -- one instance that
16 I am aware of, seemed to be an out layer in the sense of
17 the fact that the control group itself had a very low
18 incidence of that particular disease compared to the
19 general population.

20 Q And could that be due to the healthy worker
21 effect?

22 A It was really low, but let me just say that the
23 only reason, when you ask that question, they didn't --
24 they should have pointed something out like that in
25 their analysis, you know, saying you shouldn't have to

00088

1 hunt through the 200 findings to try to figure out that
2 that may have been what happened. Do you understand?

3 So when you say was it well done, I mean, when
4 you do how many hundreds of statistical analyses that
5 were done in that study and then you come up with
6 certain findings, I think it is sort of necessary to go
7 back and see whether or not you are really on firm
8 ground with all of these findings. That's all I am
9 saying.

10 MS. KAHN: Mr. Whysner, if you need to refer to
11 the study to answer any of these questions, you have a
12 right to do that. Feel free. If you don't need to,
13 that's fine. I just wanted to make you aware.

14 THE WITNESS: Yes. I don't have that 2003 --
15 the big case control study because they actually didn't
16 specifically look at Non-Hodgkins lymphoma.

17 BY MR. METZGER:

18 Q It is true, is it not, that most of the cohort
19 studies of refinery workers find that the exposed group
20 has a lower incidence of cancer or cancer mortality than
21 the general population; true?

22 A That's true.

23 Q And there is a good reason for that, isn't
24 there?

25 A Well, you are talking about the so-called
00089
1 healthy worker effect.
2 Q Yes.
3 A It is less true for cancer than it probably is
4 true for a lot of other diseases, like cardiovascular
5 disease and so forth. But there usually is some small
6 decrease in the number of cancers over what would be
7 expected in some studies, but that's not true for some
8 other studies either, so --
9 Q The overwhelming majority of refinery cohort
10 studies, it is true; is it not?
11 A You know, I have never really looked at that
12 particular question in those refinery studies. I would
13 have to look at the studies to give you a good answer.
14 Q Have you ever seen a refinery cohort study
15 where the overall cancer incidence or cancer mortality
16 of the cohort was greater than that of the general
17 population?
18 A I don't remember.
19 Q I would like to see one, if you could find
20 one. Anyway, assuming that to be the case, that would
21 be a healthy worker effect; true?
22 A A healthy worker effect, there people talk
23 about healthy worker effects in a lot of different
24 contexts, but I really don't know why it would be.
25 Q Let me offer some possibilities and tell me
00090
1 what you think of them. No. 1, in order to get into a
2 refinery -- working refinery, you have to pass a
3 physical that you are healthy, and people in the general
4 population don't have to do that, so there is a
5 pre-selection for healthy people going into the cohort.
6 Would that likely account for some of it?
7 A I guess it is possible, but, you know, it is
8 awfully hard to pick up. I mean, as a physician, if you
9 had asked me how do you predict who is going to be sick
10 from cancer of the people that are coming in, it would
11 be pretty difficult if you had a -- especially a young
12 population.
13 Q But isn't that the point, that in the cohort
14 studies, people who have cancer don't get into the
15 cohort where they are in the control group?
16 A Yes, but the instance of cancer in the age of
17 people who are usually entering the work forces is
18 reasonably low, but I guess you would have some people
19 who would have cancer when they applied for a job and
20 then it would be they wouldn't get the job because they
21 had cancer.
22 Q And people who are immunosuppressed don't get
23 into the front door of a refinery to work there, whereas
24 they are in the control group in the general population;
25 true?

00091

1 MS. KAHN: Objection, calls for speculation,
2 vague and ambiguous.

3 THE WITNESS: I have no idea.

4 BY MR. METZGER:

5 Q Okay. Earlier you mentioned nonsteroidal
6 analgesics use and antibiotic use. You mentioned
7 Mr. Remboldt had some medical conditions. What medical
8 conditions are you referring to?

9 A In his early history, he played lots of sports
10 and he was a big guy and I found in the record a number
11 of times when he would come in complaining of pains,
12 secondary to his athletic endeavors. And so that's one
13 place. I know the records, especially in 1997, showed
14 numerous prescriptions for pain, inflammation, and
15 infection.

16 I have a list of medications taken in 2000 that
17 include 3200 milligrams of Ibuprofen per day, along with
18 Vicodin, Neurontin, and Percocet. Of course, Percocet
19 is an opiate. Those would be the main indications. I
20 mean, I would have to go through the entire medical
21 record to point out every last one of them, but there
22 is, I think, an indication in the medical record that he
23 had these pain prescriptions.

24 Q To a reasonable degree -- well, back up.

25 Regarding these pain medications, within the
00092

1 categories that you have identified for risk factors for
2 lymphoma, do you put those in the category of known
3 causes, probable causes, or possible causes?

4 A Possible.

5 Q Is it, therefore, true to a reasonable degree
6 of medical probability that you have ruled out these
7 pain medications as a cause of his Non-Hodgkins
8 lymphoma?

9 A No.

10 Q To a reasonable degree of medical probability,
11 did these pain medications cause his Non-Hodgkins
12 lymphoma?

13 A No.

14 Q You also mentioned certain medical conditions
15 that he had. I was not sure what you were referring
16 to. Would you tell me?

17 A I think I mentioned infections with the use of
18 antibiotics.

19 Q Okay. So what infections, any particular
20 infections?

21 A Bronchitis.

22 Q Any others?

23 A His infection after his vasectomy.

24 Q Do you know what that was?

25 A What it was?

00093

1 Q Yes.

2 A I don't recall right now what the antibiotics

3 were. Bronchitis, sinus infections, those are the ones
4 that I recall, but I found, I think, at least somewhere
5 between 10 and 20 times in his medical records where he
6 had received prescription for some antibiotics.

7 Q Let's talk about these. First of all, to a
8 reasonable degree of medical probability, have you ruled
9 out his bronchitis as a cause of his Non-Hodgkins
10 lymphoma?

11 A Yes.

12 Q To a reasonable degree of medical probability,
13 have you ruled out whatever infection he had after his
14 vasectomy as a cause of his Non-Hodgkins lymphoma?

15 A Yes.

16 Q To a reasonable degree of medical probability,
17 have you ruled out his sinus infections as a cause of
18 his Non-Hodgkins lymphoma?

19 A Yes.

20 Q Are you able to identify any of the antibiotics
21 which he had, which he was prescribed for his
22 bronchitis -- you know what, let me short-circuit that.
23 Let me try it this way.

24 To a reasonable degree of medical probability,
25 have you ruled out any antibiotics that he was

00094

1 prescribed for his bronchitis as being a cause of his
2 Non-Hodgkins lymphoma?

3 A Could I just say it was his overall antibiotic
4 use, not for each particular use, it is the number of
5 times that he used antibiotics that is the issue.

6 Q Dose. Are you talking about dose or chemical
7 mixtures or chemical interactions or what are you
8 talking about here?

9 A Well, all I am trying to do is refer back to a
10 paper here that where they did find the frequency of
11 antibiotics use associated with Non-Hodgkins lymphoma.

12 Q Okay. We are talking about one paper?

13 A I don't remember. I could look.

14 Q Okay. It is in this folder?

15 A Yes.

16 Q Have a look and see.

17 A Yes, that's all I found is one paper.

18 Q Why don't you identify it so we can talk about
19 it.

20 A Chang, Medication Use and Risk of Non-Hodgkins
21 Lymphoma.

22 Q Let me ask you a general question. Well, first
23 of all, does this paper that we just mentioned address
24 prescription medication use, nonprescription medication
25 use, or both?

00095

1 A Well, I don't know specifically, but I would
2 imagine that it is --

3 MS. WILLIAMS: You don't need to speculate.

4 MR. METZGER: He is looking at the paper

5 itself, so he is not speculating. He is looking at the
6 paper to see what it is about.

7 THE WITNESS: That one, it was done by Enervue,
8 so to the extent that somebody could self-prescribe
9 antibiotics. E-n-e-r-v-u-e. Most antibiotics are by
10 prescription.

11 BY MR. METZGER:

12 Q All right. I don't know that it is all that
13 important, but regarding antibiotics -- we are talking
14 about antibiotics here; correct?

15 A Yes.

16 Q Regarding antibiotics, do you put those in the
17 known cause category, the probable cause category, or
18 the possible cause category of agents in Non-Hodgkins
19 lymphoma?

20 A I would probably put them on a fourth category.

21 Q Which is what?

22 A Which is I would probably put them in a
23 category that would be either unlikely or
24 unsubstantiated because it's just based upon one study.

25 Q Is it, therefore, true, to a reasonable degree
00096

1 of medical probability, that you have ruled out any
2 antibiotics that Mr. Remboldt was prescribed or used as
3 a cause of his Non-Hodgkins lymphoma?

4 A Yes.

5 Q Are there any other medical conditions that
6 Mr. Remboldt had which you hold the view or opinion are
7 in any way associated with lymphoma?

8 A No.

9 Q Have you, to a reasonable degree of medical
10 probability, ruled out Helicobacter pylori, autoimmune
11 diseases, and herbicides as causes of his Non-Hodgkins
12 lymphoma?

13 A Well, I can't really rule out Helicobacter
14 pylori because that is commonly found in so many people,
15 but the others, I would rule out.

16 Q Was Helicobacter pylori found in Mr. Remboldt?

17 A Not to my knowledge.

18 Q And, therefore, to a reasonable degree of
19 medical probability, do you rule out Helicobacter pylori
20 as a cause of his Non-Hodgkins lymphoma?

21 A No.

22 Q To a reasonable degree of medical probability,
23 did Helicobacter pylori cause his Non-Hodgkins lymphoma?

24 A No.

25 Q All right. Now, I think I saw -- I was
00097

1 provided some documents just today before the deposition
2 and one of them was a list of publications I am marking
3 as Exhibit 3, and I would like you to tell me just what
4 this is.

5 (Plaintiff's Exhibit 3 was marked for
6 identification by the court reporter.)

7 THE WITNESS: Okay. This is a list of all of
8 this -- sorry, of my files, which doesn't include the
9 other things you received today, the other parts of that
10 package that you said you received today.

11 BY MR. METZGER:

12 Q Let me try to clarify. Exhibit 3 is a list of
13 literature?

14 A Correct.

15 Q Which you have collected regarding this case?

16 A Correct.

17 Q Not included on Exhibit 3 are some other
18 articles which are also provided to me today?

19 A Correct.

20 Q By which you have also -- well, strike that.

21 Have you read all of the articles on Exhibit 3?

22 A Yes.

23 Q And the other articles that were provided to me
24 today are these articles here that I think were
25 accompanied with a letter, and let me just show you this

00098

1 letter and ask you if the articles that you were talking
2 about were sent to you under this transmittal letter?

3 A Yes.

4 MR. METZGER: We'll mark the transmittal letter
5 as Exhibit 4.

6 (Plaintiff's Exhibit 4 was marked for
7 identification by the court reporter.)

8 BY MR. METZGER:

9 Q And these articles were sent to you by Federal
10 Express on May 16; true?

11 A Yes.

12 Q When did you receive this letter and the
13 articles?

14 A I would assume next day, because I brought them
15 with me.

16 Q And when did you come out here to California?

17 A I came out here on the 17th.

18 Q When did you leave -- where did you come from?

19 A New York.

20 Q When did you leave New York?

21 A The 17th.

22 Q What time?

23 A Early in the morning. I am sorry, yesterday.

24 Q Today is the 19th.

25 A I left on the 18th, morning of the 18th.
00099

1 Q So you received these on the 17th?

2 A I received them on the 17th.

3 Q That all makes good sense. Now I get it.

4 A Let me just tell you these articles were ones
5 when I got -- I received a list and looked at -- over
6 the ones that Dr. Infante and Dr. Harrison had used in
7 their depositions that weren't in my file, and that's
8 what these represent.

9 Q I understand. And -- okay. Let's first
10 identify the articles that you did receive with the
11 transmittal letter, which is Exhibit 4.
12 A Okay.
13 Q Did that include the unpublished Delzell case
14 control study?
15 A Yes.
16 Q Which is marked Plaintiff's Exhibit 8421;
17 correct?
18 A Yes.
19 Q Did that include the Dryver study, which is
20 marked Plaintiff's Exhibit 20163?
21 A Yes.
22 Q Did that include the Franceschi study, which is
23 marked Plaintiff's 2761?
24 A Yes.
25 Q Did that include the abstract of the Fritschi
00100 study, which is marked Plaintiff's Exhibit 25886?
1 A Yes.
2 Q Did that include the Hardell study, which is
3 marked Plaintiff's Exhibit 2231?
4 A Yes.
5 Q Did that include the Tatham study, which is
6 marked Plaintiff's Exhibit 6733?
7 A Yes.
8 Q Did that include the Zhang, Z-h-a-n-g, study,
9 which is marked Plaintiff's Exhibit 14499?
10 A Yes.
11 Q Did that include the Fritschi study entitled
12 Risk of Non-Hodgkins Lymphoma Associated with
13 Occupational Exposure to Solvents, Metals, Organic Dust
14 and PCB's, paren, Australia, closed paren?
15 A Yes.
16 Q And did that include the study by Fu, F-u,
17 entitled Cancer Mortality Among Shoe Manufacturing
18 Workers and Analysis of Two Cohorts?
19 A That study, I had actually provided previously,
20 and you have a copy of that study, except it had only
21 been copied every other page, so this was -- actually,
22 we generated this yesterday. That wasn't part of that
23 transmittal letter.
24 Q I see.
00101
1 A So in this study, this second to the last one,
2 it is in your package, but I am not -- it is a little
3 bit of a fog right now, I don't know where this exactly
4 came from. This may have been something in my file
5 which just didn't get transmitted to you.
6 Q Have we now identified all of the studies that
7 you received with the transmittal letter, Exhibit 4?
8 A Yes.
9 Q Now, you mentioned that you had, in reviewing
10 the list of studies that Dr. Harrison and Dr. Infante

11 had relied on, that you had requested copies of certain
12 of them; is that true?

13 A Yes, that's the ones that are in this.

14 MR. METZGER: Let me show you a document which
15 we'll mark as Exhibit 5, and I will ask you if this is a
16 fax transmittal where you requested that seven articles
17 from those produced by plaintiff's experts be sent to
18 you.

19 (Plaintiff's Exhibit 5 was marked for
20 identification by the court reporter.)

21 THE WITNESS: Yes.

22 BY MR. METZGER:

23 Q Now, are those the studies that we just
24 identified?

25 A Yes.

00102

1 Q All makes sense. Let me ask you something. I
2 would like you to take a look at Exhibit 5 and tell me
3 if there are any studies on that list that you have not
4 read?

5 A On this list?

6 Q Yes.

7 A There are probably some studies on here that I
8 have not read.

9 Q Would you start at the top of the list and
10 identify for me those that you have not read. You know
11 how we can do it very easily, I think they all have an
12 exhibit number, so if you could just give us that, maybe
13 the author and the exhibit number, you don't have to
14 give us the title.

15 A I don't know how I would do that. These are
16 the list of references that are on your CD.

17 Q I understand. That's what I am asking you to
18 do, is to tell me which of those articles you have not
19 read.

20 A Ever?

21 Q Ever.

22 A Or that I don't have in my file for this case?

23 Q I am asking which ones you have not read. You
24 know what, I will do it both ways. Which ones you have
25 not read and which ones are not in your file. We could

00103

1 do it that way, too.

2 MS. KAHN: The question is vague and
3 ambiguous. When you say "in your file," it is not clear
4 to me if you mean in your file for this case or in his
5 file or library back in his office.

6 I also want to point out, Dr. Whysner, we have
7 all of those articles, and if it would be easier to do
8 this by looking at the actual articles rather than
9 relying on someone's description of the article, which
10 may not have a full citation, I can go down the hall and
11 get you copies of the actual articles.

12 MR. METZGER: You can do that when I am done,

13 Ms. Kahn. I am doing my deposition now.
14 MS. KAHN: Regardless, Dr. Whysner does have a
15 right to see the articles, if that facilitates his
16 ability to answer a question.
17 MR. METZGER: If he doesn't recognize it, if he
18 tells me he needs to see the article to answer the
19 question, then we'll get the article.
20 THE WITNESS: The first one is in my file.
21 BY MR. METZGER:
22 Q Have you read it?
23 A Yes.
24 Q The next one?
25 A I think I have read the next one, but it is not
00104
1 in my file for this case.
2 Q And you are referring to?
3 A Delzell.
4 Q Exhibit number?
5 A 2975, and -- but, see, the problem is it is
6 mortality among workers, rubber workers, and there is a
7 "V," which means five processing workers, so I have
8 read something about Delzell that were telling on rubber
9 workers, but I'm not sure if it is the fifth of this
10 series.
11 Q Fair enough.
12 A The next one is one that I checked and should
13 be on that stack there, Delzell.
14 Q That's the one you just received?
15 A Yes.
16 Q Have you read that or not?
17 A Yes.
18 Q You had not been familiar with that before it
19 was sent to you a few days ago; correct?
20 A I think that that one may -- I'm not sure.
21 Some of these -- the problem, some of these are
22 unpublished reports that then there was a publication
23 of.
24 Q I will represent to you that this one is --
25 this one that we are looking at, Exhibit 8421, has not
00105
1 been published except for the leukemia data,
2 Non-Hodgkins lymphoma, and the multiple myeloma data has
3 never been published, so at least with respect to the
4 Non-Hodgkins lymphoma data and myeloma information, you
5 were not familiar with that until up two days ago?
6 A That report, yes.
7 Q Go ahead.
8 A Next one is a repeat of the one before it. I
9 don't know why it is, I think.
10 Q Sure enough.
11 A Department of Labor, OSHA, proposed rule of
12 notice of hearing, yes, I have got a whole note box of
13 those.
14 Q You skimmed another Delzell article. Well, let

15 me withdraw the question. I think there is maybe a
16 quicker way of going about this. I think you have told
17 us that Exhibit 3 is the literature that you collected
18 for this case that's in your files; correct?

19 A Yes.

20 Q So we can just compare Exhibit 5 to Exhibit 3
21 to know whether it is in your file?

22 A Okay.

23 Q Correct, does that work?

24 A That would work.

25 Q So let me give you Exhibit 3 and that might

00106

1 expedite this.

2 A Well, it is not in my file, but it looks
3 familiar and it is possible that I have reviewed it.

4 Q You don't recall as you sit here?

5 A I don't recall.

6 Q Fair enough.

7 A You said about the OSHA one, I know that.
8 Divine Texas Mortality Study, I probably have reviewed
9 that and that is probably one of the reasons it is not
10 in my file is because that was covered in Wong and
11 Raabe's overall analysis and a lot of the older studies,
12 I didn't put in my file if it was already mentioned in
13 that analysis.

14 Q Okay.

15 A I think that this has -- it is a little bit
16 hard from this list to tell because I can't tell what's
17 been published and what's not published.

18 Q Maybe I can help you further if I see that
19 list. Have you read the Eastman item there, which is
20 Exhibit No. 22119?

21 A No, it doesn't look familiar.

22 MS. KAHN: Dr. Whysner, I want to remind you
23 while there is no question pending, if you want to see
24 hard copies of any of the items on this list, they are
25 just down the hall. I will be happy to get them.

00107

1 I know you told me when we talked about this
2 list that without the names of the publications, it was
3 difficult for you to know if you had the articles or
4 not, so I don't want you to feel handicapped by not
5 having them in the room with us.

6 THE WITNESS: Okay.

7 BY MR. METZGER:

8 Q There is a study listed here, X-u, is the
9 author. Have you read that study?

10 A Yes.

11 Q Do you read Chinese?

12 A I think there was an English abstract.

13 Q Otherwise -- there was. Have you actually read
14 a translation of that study?

15 A I saw a translation of it. That one, I would
16 have to probably look at the notebook.

17 Q There is a study here by Zhang, Exhibit
18 No. 16819. Have you read that study?
19 A Again, I would have to be honest with you to
20 look at the book to tell you.
21 MR. METZGER: Could you pull that one, Ruth. I
22 would like to see if he recognizes it.
23 MS. KAHN: Xu and Zhang.
24 THE WITNESS: Z-h-a-n-g.
25 MS. KAHN: The first one was Xu, X-u.

00108
1 THE WITNESS: Yes.
2 BY MR. METZGER:
3 Q While she is getting that, let me ask you:
4 Have we now discussed all of the risk factors which you
5 considered as part of your specific causation analysis?
6 A Well, we haven't talked about the chemicals
7 that are the alleged exposures in this case.
8 Q Well, let's talk about that, then. Where,
9 within your categorization of risk factors for
10 Non-Hodgkins lymphoma, would you place benzene?
11 A In the one that's -- the bottom one not likely
12 or, you know, basically there are -- it is
13 unsubstantiated, substantiated.
14 Q Where, within your categorization, would you
15 place mixed organic solvents?
16 MS. KAHN: Objection, it is vague and ambiguous
17 as to which solvent you are including in mixed.
18 THE WITNESS: Well, I don't think that any of
19 the literature related to -- we are talking about
20 petroleum solvents here, my understanding.
21 BY MR. METZGER:
22 Q Yes.
23 A That there is, again, any of the
24 petroleum-based solvents or even mixtures that have been
25 studied, such as gasoline or others, have ever been
00109
1 shown to cause Non-Hodgkins lymphoma.
2 Q What category do you place mixture organic
3 solvent, which petroleum solvents?
4 A In the unlikely unsubstantiated based upon that
5 and benzene based upon all these references that I have
6 here in my file.
7 Q In which category do you place crude oil?
8 A The same.
9 Q Which category do you place xylene?
10 A The same.
11 Q Toluene?
12 A The same.
13 Q Ethyl benzene?
14 A The same.
15 Q Naphthol?
16 A The same.
17 Q Refined petroleum distillates?
18 A The same.

19 Q Now, have you ever sat down with all of the
20 refinery cohort studies and evaluated how many of them
21 reported an increased risk of Non-Hodgkins lymphoma and
22 how many did not?
23 A You mean statistically significantly
24 increased?
25 Q I didn't mean that, but we can do that, too. I
00110
1 was going first any increase and how many did not show
2 an increase?
3 A I have the studies, we could go through them.
4 Q I know. I am asking if you have ever done
5 that?
6 A Well, all I can say is my general overall
7 impression is that there are probably about as many
8 above as below one in terms of a relative risk.
9 Q Have you ever actually gone to the exercise of
10 listing the studies as to whether they showed an
11 increased risk or whether they didn't?
12 MS. KAHN: Regardless if the risk increase was
13 significant or not?
14 MR. METZGER: Exactly.
15 Q Have you ever done that, have you ever tallied
16 them up?
17 A Added them up?
18 Q I don't mean added them, like I add one plus
19 two equals three. I mean, have you ever tallied them
20 before where you determined there were so many that
21 showed increased risk and so many that did not?
22 A No.
23 Q And have you ever tallied how much of those
24 refinery cohort studies showed significantly increased
25 risk of Non-Hodgkins lymphoma and how many did not?
00111
1 A Well, mentally, I have done that.
2 Q Okay. How many of the refinery cohort studies
3 report a significantly increased risk of Non-Hodgkins
4 lymphoma?
5 A I don't think any of them do.
6 Q Okay.
7 A There may be one, but I don't think either one
8 or none, let's put it that way.
9 Q Fair enough. And have you ever sat down and
10 tallied up how many of the case control studies reported
11 an increased risk of Non-Hodgkins lymphoma for solvent
12 exposure and how many did not?
13 MS. KAHN: Object to the question, it is vague
14 and ambiguous. Nobody has defined the universe of
15 studies that you are talking about, and your question
16 assumes he has in mind the same universe of studies you
17 have in mind.
18 MR. METZGER: You have a good point.
19 Q Let me ask you this: Have you ever attempted
20 to gather all of the case control epidemiologic studies

21 which evaluate solvent exposure and Non-Hodgkins
22 lymphoma?

23 A Well --

24 Q It is a simple question. Have you ever
25 attempted to gather that?

00112

1 A It is not that simple because, for example, I
2 have done it for trichloroethylene, which is a solvent,
3 I have done it for perchloroethylene, I have done it for
4 toluene, I have done it for xylene, I have done it for
5 benzene, I have -- when we get to what you call a
6 solvent, I don't know how you are defining a solvent.

7 Q Let's try to put in more definition on it.
8 Have you ever attempted to gather all of the case
9 control epidemiologic studies which investigated
10 associations between nonchlorinated hydrocarbon solvents
11 and Non-Hodgkins lymphoma?

12 A Well, would you add -- would you include oils
13 in that?

14 Q Sure.

15 A Vegetable oils.

16 Q Is that a petroleum solvent?

17 A Did you say petroleum?

18 Q Yes.

19 A Petroleum.

20 Q Nonchlorinated petroleum hydrocarbon solvents.

21 A Where they have existed, I have tried to find
22 as many of them as I can.

23 Q Okay. Have you ever tallied up how many of
24 those studies report increased risk of Non-Hodgkins
25 lymphoma and how many do not for nonchlorinated

00113

1 petroleum hydrocarbon solvents?

2 MS. KAHN: Regardless of the increased risk
3 statistically or not?

4 THE WITNESS: Well, some of the studies report
5 pentane, butane, aromatic, aliphatic, I mean, the
6 problem with the studies is on the report, various types
7 of solvents, various statistical analyses of all of them
8 or the -- they report them by duration or report them by
9 exposure level. I don't think that kind of a tally is
10 actually possible, unless you define a little bit more
11 specifically the characteristics.

12 And I haven't done it because it depends on
13 exactly how you want to define what the parameters are
14 within that literature that one is going to do that on.
15 Is it on the overall, all the cases? Is it in all the
16 occupational exposed cases? I mean, it is.

17 BY MR. METZGER:

18 Q Have you ever attempted to tally up all of the
19 case control studies which evaluate nonchlorinated
20 hydrocarbon solvent exposure and Non-Hodgkins lymphoma
21 which report significantly increased risks versus those
22 that do not?

23 A In a sense, I have, and my impression is that
24 almost all of them do not or most do not and that's
25 my -- in terms of tallying up, I have done each
00114
1 individual paper, which I would be glad to go through
2 and show you which ones are negative and which ones show
3 some association, whether it is statistically
4 significant or one is not.
5 But in terms of my own -- my needs in terms of
6 coming up with an overall analysis, it is the
7 preponderance of evidence that there is not an
8 association of Non-Hodgkins lymphoma in these solvent
9 studies that look at solvent exposures.
10 Q Have you read any review articles which address
11 the literature, specifically the case control studies
12 regarding nonchlorinated solvent -- strike that.
13 Have you reviewed -- have you read any review
14 articles which review the case control studies for
15 solvent exposure and Non-Hodgkins lymphoma?
16 MS. KAHN: The question is lacking in
17 foundation and it is vague and ambiguous. I think it is
18 impossible to answer without having an identification of
19 the case control studies that were encompassed in the
20 review that you are asking about.
21 MR. METZGER: You can answer.
22 MS. KAHN: You can go ahead and answer. If you
23 can't, tell Mr. Metzger that.
24 THE WITNESS: So in terms of benzene, there is
25 a study by -- review article, Bill -- Steve Lamb, that
00115
1 includes both cohort and case control studies. I am
2 familiar with that review.
3 BY MR. METZGER:
4 Q Are there any other reviews that you are
5 familiar with?
6 A Well, for example, the ATSDR has done a fairly
7 comprehensive review of looking at benzene and looking
8 at the case control studies and cohort studies and they
9 specifically commented on whether or not the evidence
10 related to Non-Hodgkins lymphoma met any kind of a
11 standard regarding causation.
12 Q Are you referring to the 2005 draft update
13 toxicological profile by the ATSDR?
14 A Yes.
15 Q Are there any other reviews that you have read
16 on the topic?
17 A Well, there are reviews that review the whole
18 subject of various solvents, like the IARC review of
19 xylene, which I have read, the IARC review of toluene,
20 which I have read. There is, of course, the IARC review
21 of benzene, which I have read. There are --
22 Q My question was -- let me just refresh you with
23 the question. Have you read any reviews regarding the
24 case control studies which investigate petroleum

25 hydrocarbon exposure and Non-Hodgkins lymphoma?
00116

1 A Well, that's what I am answering, just the fact
2 that they have done other things, as well, like the
3 Steve Lamb article reviewed both cohort and case
4 control.

5 Q Any other reviews that you have read?
6 A There was a review that I think Harrison relied
7 pretty heavily on this review by Rigo.

8 Q Have you read that?
9 A Yes, I have.

10 Q All right.
11 MS. KAHN: He is not done. He's got more.

12 BY MR. METZGER:
13 Q All right. Are there more that you have read?
14 MR. RIFF: Can I coach?
15 MR. METZGER: No.
16 MR. RIFF: I got to.
17 MR. METZGER: If you want to testify, you can
18 designate yourself as an expert and I will take your
19 deposition.

20 MR. RIFF: I will leave and go get some
21 gasoline for my car.

22 THE WITNESS: I was going to say gasoline
23 because the ATSDR review on gasoline, I am sorry, I have
24 to go through my files.

25 MR. METZGER: I will move -- I will withdraw
00117

1 the question and move on to another question.
2 (Recess taken.)
3 THE WITNESS: Let me just clarify something
4 because you asked before about the issue of, quote,
5 "solvents" and Non-Hodgkins lymphoma. This is the
6 problem with the word solvent because solvent is really
7 defined as a use, it is not really -- doesn't have any
8 sense in a toxicology standpoint, although I know people
9 use that word.

10 So gasoline, I have reviewed, I have also
11 reviewed jet fuel, which I don't know whether anybody
12 would consider that a solvent, it is a fuel, in terms of
13 that particular issue.

14 BY MR. METZGER:
15 Q All right. Let's go on to another topic. You
16 are a diplomate of the American Board of Toxicology;
17 true?
18 A Correct.

19 Q Does the word "immunotoxic" have a meaning to
20 you?
21 A Yes.

22 Q What does that mean to you?
23 A Well, immunotoxicity can either be an
24 overactive immune system and (inaudible)
25 hypersensitivity or it can be an immune depression.

00118

1 There are two parts to that scale, and so the immune
2 system can either be overactive or underactive, and if a
3 particular agent can cause either one of those things,
4 then we consider that immunotoxicity.

5 Q Okay. Would you also consider an agent that
6 can disrupt immune function to be immunotoxic?

7 A That would be -- yes, that would be
8 immunotoxicity.

9 MS. KAHN: Somebody who is typing needs to find
10 their mute button, please.

11 MS. CHIN: I am sorry.

12 MS. KAHN: Thank you.

13 BY MR. METZGER:

14 Q Okay. Is benzene immunotoxic?

15 A Well, I think we covered this before, but
16 when -- I remember that I had looked into this in the
17 past and I have not looked at it specifically recently,
18 that that's not one of its major toxicological
19 properties, except as we discussed about its bone marrow
20 ability to suppress the bone marrow and prevent the
21 formation of myelocytes from the bone marrow. That is
22 one of the most -- one of the things it causes at one of
23 its lowest, lower doses.

24 Q Are you going to -- do you recognize the
25 distinction between hematotoxic and immunotoxic?

00119

1 A Well, to me, when you are talking about
2 disruption of immune system, I am not thinking about the
3 fact that it is wiping -- I mean, yes, bone marrow
4 toxicities will disrupt the immune system, but usually
5 when we think of immunotoxicity, we are talking about
6 effects that don't necessarily just wipe out the whole
7 population of white cells.

8 For example, because then there isn't any
9 immune function of a certain type if you have destroyed
10 the cells that are trying to provide that function.

11 So in that sense, there is an immune effect,
12 but that's very specific. We know about that. We
13 talked about that for benzene and it may also be related
14 to its ability to produce acute myelogenous leukemia and
15 there is a whole literature on, as you are probably
16 well-aware of, whether or not that immune -- that form
17 of toxicity is necessary in order to begin the
18 development of acute myelogenous leukemia.

19 Q Does benzene -- strike that.

20 Does exposure to benzene impair immune
21 function?

22 A Didn't we just go over this?

23 Q You have described, as I understood it, three
24 types of immunotoxicity, an overactive immune system, an
25 underactive immune system, and disruption of immune

00120

1 function; correct?

2 A Well, the latter two are pretty much the same.

3 Disruption of immune function and immunosuppression
4 would be pretty much the same thing.

5 Q Does benzene disrupt immune function?

6 A Well, as I said, again, the part of the immune
7 function that benzene disrupts is that it wipes out --
8 it can wipe out the bone marrow and leukocytes,
9 granulocytes which are part of the immune system, in a
10 sense, because they are responsible for fighting
11 infection and disease.

12 And that can then disrupt what we usually think
13 of as the immune system and macrophages, you know, are
14 the presenting cells for certain types of immunity, and
15 so in that sense, it would disrupt immune function.

16 Q Does benzene disrupt immune function without
17 decreasing blood counts?

18 A Do you have a specific thing in mind? I mean,
19 immune function is a big topic.

20 Q Let's talk about immune function. Is it true
21 that there are different assays for assessing
22 immunotoxicity?

23 A Yes.

24 Q And can you identify them?

25 A Well, there is no lymphocyte proliferation
00121 test. There is the classic ones and the plaque forming
1 colony test.

2 Q Plaque forming colony test?

3 A Yes.

4 Q Any others?

5 A Those are the two that I am most familiar with.

6 Q You do know that other tests exist?

7 A There is a whole battery of immune testing that
8 can be done. You can look for immunoglobulin levels,
9 you can do immune electrophoresis, you can do -- there
10 is first tier, second tier, third, you know, white
11 counts are another one, you know. That's it.

12 Q Has benzene been studied in these assays?

13 A I don't have any specific recollection of any
14 studies.

15 Q So you don't know that benzene has been found
16 immunotoxic in these different assays that we just
17 discussed?

18 A I don't have any specific recollection of the
19 studies.

20 Q Do you have any knowledge whether these assays
21 have been -- strike that.

22 Do you have any knowledge whether toluene is
23 immunotoxic in these assays?

24 A I don't specifically remember the studies.
00122

1 Q Do you have -- do you know whether xylene is
2 immunotoxic in these studies?

3 A I don't -- again, I don't specifically remember
4 the studies.

5 Q Do you know whether naphthols are immunotoxic
6 in these assays?
7 A Naphthas.
8 Q Yes, petroleum naphthas?
9 A Okay. Well, I'm more familiar with naphtha,
10 but I don't specifically remember the study.
11 Q Is crude oil immunotoxic in these studies?
12 A I don't know.
13 Q Let's talk about some of your work on your C.V.
14 First of all, the article that you published regarding
15 the genotoxicities of benzene, was that funded?
16 A Yes.
17 Q By whom?
18 A The American Petroleum Institute.
19 Q And how much were you paid for that?
20 MR. RIFF: Hold on. How much was he paid, how
21 much was the funding, or both or neither? Objection,
22 vague.
23 MR. METZGER: Go ahead.
24 THE WITNESS: Well, it was a contract between
25 the American Health Foundation and the American
00123 Petroleum Institute and it went on for a few years. We
1 analyzed 1,400 genotoxicity tests.
2 BY MR. METZGER:
3 Q What's the funding?
4 A I don't specifically remember. I would say it
5 was probably about \$150,000.
6 Q Okay. Was that probably done in phases?
7 A Yes.
8 Q What were the phases?
9 A First phase was to look at the issue of whether
10 or not benzene -- under what conditions and when benzene
11 had been found to cause DNA adducts or DNA binding
12 studies.
13 Q And what was the next phase?
14 A The next phase was to analyze all of the 1,400
15 genotoxicity tests.
16 Q What was the -- was there a further phase?
17 A The next phase was to look at the literature
18 related to -- I can't remember whether or not the
19 literature related to trying to categorize the
20 genotoxicity test results into -- a mechanistic category
21 was in phase two or phase three.
22 But I think phase three was more of a -- had to
23 do with a discussion of the relationship with the
24 genotoxicity tests to, for example, the -- what we are
00124 talking about before, the fact that the clastogenicity
1 of benzene and the relationship of that to the way in
2 which acute myelogenous leukemia could develop.
3 Q Was there a further phase?
4 A Not that I remember.
5 Q And was the \$150,000 funding that you just
6

7 described for all of these phases?

8 A I believe so.

9 Q At the time, what was your affiliation with the
10 American Health Foundation?

11 A I was a research scientist and I was -- I
12 eventually became division -- director of the division
13 of pathology and toxicology.

14 Q Now, did you prepare reports in the course of
15 this study?

16 A Yes.

17 Q How many reports did you prepare?

18 A I think there were three reports for the three
19 phases.

20 Q And did you send those to the American
21 Petroleum Institute?

22 A Yes.

23 Q And did you receive back comments from the API?

24 A I don't recall. Not that I remember other than
25 the fact that they received it and, fine, thank you.
00125

1 Q Who was your contact at API?

2 A Well, there were a couple of different ones and
3 that was part of the problem. One of the reasons I did
4 not receive any comment, because there wasn't really
5 anybody to see -- any way to comment on it.

6 I don't -- I know David Mongello was there, he
7 was the project officer. Linda Twerdock,
8 T-w-e-r-d-o-c-k. There were a couple of other people,
9 but I don't really recall who they were.

10 Q Did you ever go to a meeting of the American
11 Petroleum Institute or a community and report your
12 findings to them?

13 A It is possible. The reason I am a little
14 confused, I did another study for them and I know we had
15 meetings on that one and that was -- I was doing some
16 laboratory work to actually look at the question of
17 whether or not there was, indeed, DNA binding and I know
18 that we had -- there was a group named API who oversaw,
19 but I think they just oversaw the laboratory studies. I
20 don't really remember them commenting on the
21 genotoxicity study.

22 Q Was the DNA binding study also done by you when
23 you were with the American Health Foundation?

24 A Yes.

25 Q What was the funding for that study?
00126

1 A That was the American Petroleum, also.

2 Q What was the funding for it?

3 A How much?

4 Q Yes.

5 A I think that was about \$75,000.

6 Q Now, regarding the genotoxicity study, did you
7 submit your article to the API before publication?

8 A That, I don't remember. You know, I actually

9 published it after I left the American Health Foundation
10 and had sort of -- we had, yet, another change of person
11 at the API and I never even heard from them. I think I
12 finally sent them a courtesy copy of the publication
13 after it was published, but I don't remember. I just
14 don't recall. There wasn't a lot of feedback, wasn't
15 any feedback, as a matter of fact, specifically
16 regarding that publication from them.

17 Q Did anyone from the API or any of its members,
18 petroleum company members, edit any drafts of your
19 article?

20 A No. We, at the foundation, we were very clear
21 about stuff like that that we might -- like I say,
22 again, we might furnish them a copy before publication,
23 but that was usually part of our contractual
24 relationship with industry groups. Now, not with
25 grant -- you know, federal grants. Most of them -- the

00127 1 money came from the National Cancer Institute.

2 Q Now, the lab work that you did regarding DNA
3 binding, was that ever published?

4 A No.

5 Q Why not?

6 A Because I left the foundation while I was still
7 in the middle of it and I left the foundation, I think
8 it was, in 2002. The work was still ongoing. It was
9 the only project I hadn't sort of wrapped up before I
10 left, and the results were kind of -- we did, indeed,
11 find that the DNA binding probably was artifactual.

12 That there was one or two other groups that had
13 found some binding radiolabeled DNA binding to -- I
14 mean, binding radiolabeled benzene to DNA, and through
15 various purification techniques, we showed that it was
16 probably artifactual, it was probably protein that was
17 associated with the DNA, but before I left, I could
18 never completely nail that down, so I didn't publish it.

19 Q Did you ever submit it for publication?

20 A No.

21 Q During the course of either of these projects
22 that you did for the American Petroleum Institute, did
23 you speak with any members of any -- well, did you speak
24 with any employees of any of the oil companies?

25 A Oh, probably, because I published -- I didn't
00128 1

2 publish -- well, we did publish the proceedings of that,
3 there was a meeting that was held at the University of
4 Ottawa of a number of people on the whole issue of
5 benzene and leukemogenesis.

6 And there were people from the petroleum
7 industry there because they were also presenting papers,
8 but -- so I probably talked to them. Well, they
9 probably came up to me after I presented my findings --

10 Q Okay.

11 A -- which were in that abstract.

11 There are two publications on my list and that
12 abstract -- I mean, not abstract, that short publication
13 is from that meeting that was held in Ottawa.

14 Q The meeting in Ottawa was the Benzene State of
15 the Science Workshop which took place on December 16 and
16 17 in 1998; correct?

17 A Correct.

18 Q You presented at that conference; correct?

19 A Excuse me, let me see it. I am not exactly
20 sure about --

21 Q This is Exhibit 6041. This is a copy of what
22 you presented at that conference or symposium; correct?

23 A Yes, but it was also published. Okay.

24 Q I will get to that. That was what I am showing
25 as Exhibit 8738 is what was published from that;

00129

1 correct?

2 A Correct.

3 Q Now, prior to the conference, did you speak
4 with any employees of any oil companies about your paper
5 or your research?

6 A Well, again, as I mentioned before, I can't
7 remember if that group, which were a couple of -- which
8 was a group, some of which contained employees of oil
9 companies that I met with, I also met with regarding
10 this genotoxicity study and can't remember that. I said
11 I remember -- I remembered meeting with them regarding
12 the DNA binding studies.

13 Q Did the DNA binding study precede or come after
14 the genotoxicity study?

15 A After.

16 Q Okay. So I am talking before the symposium on
17 December 16 and 17, 1998 at the University of Ottawa at
18 which you first presented on your genotoxicity study.
19 Did you speak with any employees of oil companies about
20 that?

21 A That's what I am trying to say, I don't
22 remember if that group had reviewed where we talked
23 about the studies that I had done on genotoxicity.

24 Q Have you done any other work for the American
25 Petroleum Institute?

00130

1 A No.

2 Q Have you done any work for the American
3 Chemistry Council?

4 A No.

5 Q The Chemical Manufacturers Association?

6 A One of those groups, I did some consulting work
7 on quite a while ago, I think, regarding the Korean
8 Chemistry Council. I think it was one of those groups
9 and I think I did some consulting for them.

10 Q What was that consulting about?

11 A I remember one aspect of it, anyway, that that
12 was -- that there was a group who believed in banning

13 all chlorine-containing chemicals because they were -- I
14 don't know, for whatever reason, and they asked me to, I
15 think, put together something that analyzed -- one thing
16 I remember was analyzing pharmaceuticals as to whether
17 or not pharmaceuticals that contained chlorine in their
18 chemistry were any -- I guess it was broader than that.
19 I guess all I was doing at that point with the
20 pharmaceuticals was saying, look, there's a lot of
21 pharmaceuticals that contain chlorine, and if we ban all
22 chlorine-containing chemicals, we won't have all of
23 these pharmaceuticals. And that's the one thing I
24 remember. I think there may have been some other
25 aspects of it that had to do with chlorine-containing
00131 chemicals in general.
2 Q Did you prepare any reports regarding this work
3 that you did?
4 A I don't recall.
5 Q Did you provide any presentations setting forth
6 your work?
7 A I don't think so.
8 Q And what was your funding for this?
9 A It was so long ago. It was a consulting
10 arrangement with Washington Occupational Health, I
11 think.
12 Q Would you estimate the funding?
13 A No.
14 Q I was asking you whether you did any consulting
15 or any other work for the Chemical Manufacturers
16 Association. Do you recall doing any work for them?
17 A I don't think I did any work for them. I think
18 they held a conference on chemical mixtures and I
19 presented a paper or a -- I just gave a talk, that's
20 what I did, at that meeting on chemical mixtures.
21 Q When was that?
22 A Probably about five years ago.
23 Q Were the proceedings of that conference
24 published?
25 A I don't think so.
00132
1 Q Do you still have your paper?
2 A No.
3 Q Do you destroy your -- do you prepare an
4 abstract or anything?
5 A No, I don't. This -- it was a paper, I think
6 it was a talk, I believe.
7 Q Had you written anything out for that talk?
8 A I don't recall.
9 Q Was that recorded?
10 A I don't know.
11 Q In that talk, did you discuss petroleum
12 hydrocarbon mixtures?
13 A This is calling for speculation on my part
14 because mostly what I was involved with mixtures was the

15 issue of dioxins, PCB's, and how that mix is analyzed,
16 so I think -- but I couldn't be certain that I was
17 probably talking about those chemicals.
18 Q That was presented, you believe, to the
19 Chemical Manufacturers Association?
20 A It was at a meeting.
21 Q Do you recall where?
22 A It was in, I think, Arlington, Virginia.
23 Q Do you recall who sponsored it?
24 A No.
25 Q Do you receive funding for that?
00133
1 A No.
2 Q Any compensation?
3 A I don't remember.
4 Q Any other work that you did for the Chemical
5 Manufacturers Association?
6 A No, not that I recall.
7 Q What about the Manufacturing Chemist
8 Association?
9 A Never heard of them.
10 Q That's the precursor. Okay.
11 What about have you done any work for the
12 Halogenated Solvents Industry or Council, whatever they
13 call themselves?
14 A No.
15 Q Have you done any work for any other chemistry
16 trade organizations?
17 A The Acryllo Nitro Group.
18 Q What did you do for them?
19 A I did research on the mechanism by which
20 Acryllo Nitro causes brain tumors in rats.
21 Q Did you present on that?
22 A I have a couple papers written on it.
23 Q So those were published?
24 A That was published.
25 Q I am sorry, which organization was this?
00134
1 A This is called the AN Group, stands for Acryllo
2 Nitro Group.
3 Q Did they review your publications before
4 publication -- did they review your papers before
5 publication?
6 A Well, like I said, this was part of the
7 American Health Foundation. We were pretty strict on
8 publishing what we find.
9 Q I understand, but --
10 A Whether or not they were -- we discussed it, we
11 probably discussed it during meetings, what the findings
12 were, you know, I had a really good assistant, a good
13 sponsor at those meetings from that group, and he always
14 said, you just call it the way you see it. That was his
15 motto.
16 Q What was your funding for that?

17 A Total?
18 Q Yes.
19 A It would be --
20 MS. KAHN: Don't speculate.
21 THE WITNESS: I can't remember.
22 BY MR. METZGER:
23 Q Can you give me an estimate?
24 A Long time ago.
25 MS. KAHN: Don't speculate.
00135
1 THE WITNESS: I can't, really.
2 BY MR. METZGER:
3 Q Was it more than \$100,000 or less?
4 A It is more than \$100,000.
5 Q More than 500,000?
6 A That, I don't know.
7 Q All right. Have you done any consulting work
8 for any other chemistry trade associations or groups?
9 A Not that I remember.
10 Q Let's talk a little about what you do do. Do
11 you currently practice medicine?
12 A Well, I am licensed. I will only practice in
13 the sense that I provide advice regarding medical
14 surveillance. The Washington Occupational Health main
15 business is doing medical surveillance programs.
16 Q What does that mean exactly?
17 A Well, a lot of it is fitness, you know, for
18 duty. It is like we had to clear all the people who
19 went to Katrina from the Army Corps of Engineers and the
20 U.S. Coast Guard and we do the same kind of thing for
21 the FBI.
22 We have five regional offices that we serve.
23 We have done it for the Secret Service, we have done it
24 for a number of government -- mostly government, but
25 some industry groups, like Chem Waste Management, their
00136
1 hazardous waste workers, I was very involved in
2 reviewing their -- some of their medical records and so
3 forth.
4 So that's the kind of medicine that I have
5 done. I don't really treat patients. We do have a
6 clinic in our office in Washington, but I am not in any
7 way actively involved in treating patients.
8 Q When is the last time when you actually treated
9 a patient?
10 A Last time I actually treated a patient was
11 probably in 19 -- whenever my Pennsylvania license
12 expired, because I worked in a pediatrics clinic in
13 Pennsylvania for a while, I think it was either '76 or
14 '78. '76.
15 Q When is the last time you examined a patient?
16 A I was involved in doing some actual hands-on
17 medical surveillance screening of people with PCB
18 exposure that ran through the part of the '90s. We

19 would do periodic examinations on people who were -- had
20 been exposed.

21 Q And what did those examinations consist of?

22 A Well, regular history and physical, feeling for
23 their liver, we were looking for specific end points.
24 The main thing that was the uncertain thing in those
25 days was whether PCB's cause liver toxicity.

00137

1 Now we know that we have done enough studies to
2 know it really doesn't in humans and so we were
3 concerned about it, especially in the '80s when this
4 first started.

5 Q At the present time -- well, you are currently
6 an employee of Washington -- what is it called?

7 A Occupational Health Associates.

8 Q Is that correct?

9 A Yes.

10 Q Is that essentially a full-time job for you?

11 A Well, I am a full-time employee, yes, I am a
12 full-time employee.

13 Q And --

14 A I also teach at Columbia, which is not part of
15 that, but --

16 Q Regarding this company, it provides this
17 surveillance, medical surveillance service that you have
18 described; correct?

19 A Yes.

20 Q Does it do anything else?

21 A Well, we do -- we serve as, for example, giving
22 companies corporate medical advice regarding overall
23 employee health and safety programs. We have provided
24 employee information regarding hazards in the
25 workplace. And we have done a fair amount of work for

00138

1 some pipeline companies. I have done a lot of risk
2 assessment work, which I think I discussed, regarding
3 the preventing of -- permitting of power plants, but I
4 also do work on superfund sites.

5 I am involved in one right now at the
6 Wilmington rail yard, Amtrak facility. And that has to
7 do with coming up with cleanup of the various
8 contaminants around the site, and we do, like here, we
9 do litigation consulting and litigation support work and
10 expert testimony.

11 Q Anything else?

12 A Well, there is a pretty wide range of
13 occupational health stuff that the company does, but --

14 Q All right. The occupational health screening,
15 apart from the governmental work which you described, do
16 you do that also for companies?

17 A Yes.

18 Q And approximately how many companies do you do
19 that for?

20 A You know, I am involved in that to a certain

21 extent from the toxicology standpoint and from providing
22 certain guidance regarding design of stuff, but I am
23 really not in touch with the clients and hands-on that
24 much with that part of the organization.

25 Q Are the clients major corporations?

00139

1 A Most of ours are -- actually, most of it is
2 government.

3 Q Are there corporate clients for whom that
4 service is provided?

5 A There are, but I wouldn't be able to tell you
6 which ones are active right now.

7 Q Does --

8 A I am sorry, like Buckeye Pipeline, I know is
9 one, and like I said, we don't do any work for Chemical
10 Waste Management. In fact, it doesn't even exist under
11 that name anymore, I don't think. I know we are doing
12 some work, I think, for P & G.

13 Q What is P & G?

14 A Procter & Gamble.

15 Q Regarding what?

16 A I think it has to do with a bladder cancer
17 screening program that they have going on.

18 Q Is there a suspect agent for that?

19 A Not that I am aware.

20 Q Have you done any occupational health screening
21 for any oil companies or has WOLA? I call it that.

22 A WOLA, that's good. I don't think so, not that
23 I recall.

24 Q For any chemical companies?

25 A Well, I was involved with putting together a
00140

1 medical surveillance program for, I think, Occidental
2 Chemical related to vinyl chloride exposures and having
3 to deal with when people should go in and out of the
4 work force based upon certain findings of liver enzymes
5 and so on and so forth.

6 Q Any other chemical companies that you have done
7 surveillance programs for or WOLA has?

8 A Well, in the past, of course, we have done it,
9 like not chemical companies, but for the railroad --
10 some railroad workers, Amtrak, SPTA workers, that's all
11 that I remember.

12 Q Has WOLA ever done any medical surveillance for
13 beryllium workers or have you?

14 A Not that I recall. I was asked a question by
15 one of the employees at WOLA recently that made me think
16 maybe there was some kind of an RFP out there, but as
17 far as I know, we are not doing anything right now.

18 Q What's an "RFP"?

19 A Request for proposal.

20 Q Okay. What types of companies does WOLA or you
21 provide medical advice for that --

22 A I am, like I say, I am there -- we have to

23 defer to the president.

24 Q Who is that?

25 A Ken Chase, Washington office labor division.

00141

1 Q What company -- you said also that you provide
2 employees hazard information. Do you do that for
3 chemical companies?

4 A Well, I can just tell you what I have been
5 involved in. For example, I did a video regarding
6 hazards of diesel exhaust that had to do with Penn
7 Station and that was one. I reviewed -- and MSDS's for
8 the Drug Enforcement Administration when they were
9 putting together their program to dismantle clandestine
10 labs.

11 Now, they go into these places and there are
12 all these things sitting around, and I have reviewed
13 MSDS's, I remember, for the Los Angeles Department of
14 Water and Power back in -- when the first hazard
15 communication standard came out to see whether or not
16 they were adequate. And we actually designed some
17 MSDS's for them.

18 This is -- this was -- I think it was even
19 before the communication standard actually came out, in
20 the early to mid-'80s. Those are the ones that I
21 remember. I talked to some people at a slag grinding
22 facility about hazards related to particulates in the
23 air in Camden, New Jersey, in this facility whether or
24 not there were any health effects from that.

25 Q Okay. First of all, is all this work that you
00142

1 just described, work that you have done on behalf of
2 companies, corporations?

3 MS. KAHN: As opposed to the government or the
4 Department of Water and Power, the other entities that
5 he identified?

6 BY MR. METZGER:

7 Q I think you had mentioned the Department of
8 Water and Power. Other than that, was it done for
9 corporations?

10 A Or the government.

11 Q Okay.

12 A Right.

13 Q You mentioned that you reviewed MSDS's for Drug
14 Enforcement Agency, when they would send people into any
15 kind of lab, not meth labs?

16 A They are more meth labs.

17 Q They are mostly meth labs?

18 A Well, I don't know, this is a fair time ago,
19 but probably they were meth labs.

20 Q And were any of those Material Safety Data
21 Sheets that you reviewed and worked on Material Safety
22 Data Sheets for solvents used in meth labs?

23 A Now, this is going back 15 years probably so I
24 really don't remember even what any of the MSDS's were.

25 Q Has WOLA or you ever done any work for the EPA?
00143

1 A I have consulted for the EPA, particularly
2 about Tom's River, Tom's River problem, possibly a
3 cancer cluster there.

4 Q Was the EPA a defendant in that case?

5 A No, I served on an expert panel for them.

6 Q What was their involvement with that case?

7 A There was a -- the EPA and the NTP together
8 were designing an annual testing program to look into
9 one of the chemicals that was found in the drinking
10 water, and I was asked to serve on this panel because I
11 know something about annual testing, and we provided
12 input in terms of the design of those animal tests.

13 Q What was the chemical you were talking about?

14 A It is a combination of acryllo SAN, acryllo
15 styrene, acryllo something or other, and this is, again,
16 a while ago, but it was a by-product of some kind of
17 chemical manufacturing facility that was there and they
18 had been able to analyze, for most of the chemicals that
19 were in the drinking water, and most of them had already
20 had like NTP studies done about them or there was animal
21 toxicity.

22 But this one point seemed to be unique to this
23 situation, so there was a lot of political pressure to
24 put together a testing program rapidly.

25 Q Have you done any other work for the EPA?

00144

1 A Not that I recall.

2 Q Have you done any work for OSHA?

3 A No.

4 Q Or NIOSH?

5 A No.

6 Q All right. Do you have any employment income
7 other than your work for WOLA?

8 MS. KAHN: Or Columbia where he teaches?

9 THE WITNESS: Yes, it is just WOLA. I
10 volunteer my time at Columbia.

11 BY MR. METZGER:

12 Q And regarding that, how often do you teach at
13 Columbia?

14 A Once a week.

15 Q And what is the -- is that a course that you
16 teach or what?

17 A Yes.

18 Q What is the course?

19 A Fundamentals of toxicology.

20 Q How long have you been doing that?

21 A Three years.

22 Q Do you receive any compensation at all from
23 Columbia?

24 A No.

25 MS. KAHN: You need to verbally --

00145

1 THE WITNESS: I am sorry, no.
2 BY MR. METZGER:
3 Q Do you have a syllabus for your course that you
4 teach or written materials that you prepare for the
5 course?
6 A I have a presentation, yes, that I give.
7 Q Handouts that the students are given?
8 A Yes.
9 Q How long have you been doing that, how long?
10 A Three years.
11 Q Is it the same handout each year or is it
12 different?
13 A It changes, and I have guest lecturers, some --
14 or some of the lecturers, so some are not my handouts,
15 there are other people's handouts.
16 Q All right. Regarding your employment income
17 from WOLA -- or let me strike that.
18 Regarding WOLA, what percentage of WOLA's
19 income is from litigation support and consulting?
20 MS. KAHN: WOLA as opposed to Dr. Whysner's
21 income?
22 MR. METZGER: That's what I asked.
23 MS. KAHN: Calls for speculation.
24 MR. METZGER: Go ahead.
25 THE WITNESS: I don't know for sure, but I
00146
1 would say it is --
2 MS. KAHN: Don't speculate, if you don't know.
3 BY MR. METZGER:
4 Q Can you estimate?
5 A I would guess it would be -- well --
6 MS. KAHN: Don't guess.
7 THE WITNESS: I would say probably be somewhere
8 between 15 and 25 percent.
9 BY MR. METZGER:
10 Q And what percentage of your income is from
11 litigation support and consulting?
12 A Well, that's hard to exactly put together,
13 because like I say, I am paid as an employee and I have
14 a lot of activities for WOLA, so it would be hard to say
15 exactly, but I would say probably about 50 percent, may
16 be a little bit more.
17 Q Just I want to make sure I heard you correct.
18 Was that 5-0 as opposed to 15?
19 A Yes.
20 Q Regarding your income from litigation support
21 and consulting, does any of that income derive from
22 consulting for individuals as opposed to companies or
23 government?
24 A I don't know.
25 Q Can you think of any?
00147
1 A Well, I don't know what they do at the office
2 in terms of where the income actually comes from. I am

3 involved in certain activities, general activities for
4 the office and that, I don't have a good handle on.

5 Q Can you recall ever consulting or doing any
6 litigation work on behalf of a worker or person claiming
7 to have been injured?

8 A Well, I haven't, but I know the company has
9 done that.

10 Q Have you prepared any billing for your work in
11 this case?

12 A Well --

13 MS. KAHN: Washington Occupational Health is
14 the one that bills us. They have sent bills and they
15 were included in the file that was produced. I don't
16 know if Dr. Whysner has actually seen those or not,
17 since they come from his employer, things like that,
18 rather than him personally.

19 THE WITNESS: I haven't seen them.

20 BY MR. METZGER:

21 Q Before bills are sent out, do you review the
22 bills for your work?

23 A Yes.

24 Q So did you review these bills?

25 A I probably did at the time they came out.

00148

1 MS. KAHN: Happy to pull them out of the box,
2 if that would help.

3 MR. METZGER: If you could, thank you.

4 MS. KAHN: One of my concerns about going
5 through this list was proven out when we tried to find
6 the articles.

7 MR. METZGER: While you are doing that, can we
8 go through the list?

9 MS. KAHN: The Zhang 2003 article marked as
10 Plaintiff's Exhibit No. 16819 on Whysner 5616 turns out
11 not to be an article at all, it is an abstract of a
12 paper presentation that was given, and we don't find any
13 record in our file of a corresponding publication. This
14 abstract --

15 MR. METZGER: Ms. Kahn, is this an objection?

16 MS. KAHN: -- was on Dr. Infante's C.V. I am
17 explaining to you that the articles we --

18 MR. METZGER: Please, I just want to ask him
19 some questions.

20 MS. KAHN: -- may or may not correspond to the
21 articles you have in mind, so this is Zhang 16819.

22 MR. METZGER: Fine. Let me just ask you --

23 MS. KAHN: It is not a study at all.

24 MR. METZGER: Whatever it is, I think we could
25 agree that's an abstract.

00149

1 Q Is that what you would call this, Dr. Whysner?

2 A But -- okay.

3 Q Yes?

4 A Yes.

5 Q And can you tell me, before that was just
6 handed to you, have you ever read that?

7 A This particular abstract?

8 Q Yes.

9 A I don't remember. I went to some of the ACR
10 meetings and I had the book and may have even -- I
11 assume this is probably a poster presentation, but I
12 don't know whether that's the case or not. I may or may
13 not have seen it, I don't know.

14 Q As you sit here today, you don't have a
15 recollection of having read that before today; is that
16 fair?

17 A Well, the problem, Martin Smith has published a
18 lot of stuff and some of it is very similar to some of
19 the other things that he's published, and so I don't
20 know if I have. I can't recall if I have seen this or
21 another paper where he studied the same kinds of
22 things. I don't know.

23 MS. KAHN: Now, the other thing you wanted me
24 to look for was Xu 2000, which wasn't included on
25 Dr. Infante's CD. There is a handwritten note on

00150
1 Whysner 5616. The closest thing we could find from 2002
2 is by Qu, Q-u, not Zu, Z-u.

3 MR. METZGER: That's not a study -- there is a
4 study by an X-u, which was translated from Chinese and
5 was produced by doctor -- actually, by Dr. Harrison at
6 his deposition, I think.

7 MS. KAHN: Let me go back, then, at Harrison
8 because we did send the Harrison transcripts and
9 exhibits to Dr. Whysner, so that's where it is, if he
10 had definitely seen it.

11 MR. METZGER: Okay. We'll find out.

12 THE WITNESS: Was that -- was just the abstract
13 included or was the whole Chinese article included?

14 BY MR. METZGER:

15 Q There was a complete translation of the
16 article.

17 A But the original Chinese article, because
18 what -- I want to see the original Chinese article
19 because I will tell you why this is important, because
20 this article came up once before in another case and it
21 had to do with CLL, I believe, or CML, one of the two,
22 and it turned out that the -- I looked for the tables,
23 the corresponding tables, and they were not what I
24 remembered from that original paper.

25 Q We'll see if we have the original Chinese. I
00151
1 think we do. Okay. Now I lost my train of thought.
2 This was a side venture from what we were talking
3 about.

4 MS. KAHN: Dr. Whysner, do you need a break
5 while Mr. Metzger is looking for his paper?

6 THE WITNESS: Why not.

7 (Recess taken.)

8 BY MR. METZGER:

9 Q I would like you to take a look at your
10 curriculum vitae. Page 3. You give some summaries of
11 your work in toxicology and risk assessment; correct?

12 A Yes.

13 Q Regarding the laboratory research regarding
14 PCB's. For whom did you do that?

15 A One of the studies was done for
16 Hoffmann-La Roche, the pharmaceutical company. They
17 were -- we did a study that studied not only PCB's, but
18 phenobarbital and coridane (ph.) because these were all
19 chemicals that produced mouse liver tumors.

20 That was the P32 post labeling study. And I
21 also did some work for General Electric that had to do
22 with trying to find out the mechanism by which PCB's
23 caused liver tumors in rats.

24 Q How much were you paid for your work for
25 Hoffmann-La Roche?

00152

1 A Well, I think American Health Foundation, and
2 that's -- I can't even speculate on that. I know it was
3 less than \$100,000, but that was one of the very first
4 projects I had when I was there.

5 Q How much was your funding from General
6 Electric?

7 A I don't recall at all for that one. As a
8 matter of fact, I think my boss, Gary Williams, was the
9 one that actually negotiated that work.

10 Q Do you have any estimate you could give me?

11 A No.

12 Q You also mentioned here oxidated DNA damage and
13 benzene-induced leukemia. I assume that was for the
14 API, which we already discussed; is that true?

15 A Where is that?

16 Q Third line. I shouldn't make that assumption.

17 A Well, oxidated DNA damage is one of the things
18 that we considered in terms of the -- one of the
19 mechanisms for the genotoxicity.

20 Q Is what you have written here, oxidated DNA
21 damage and benzene-induced leukemia, part of that
22 project that you did for the API?

23 A You know, there must be -- I mean, I --
24 actually, it is interesting you point this out because
25 on reviewing it, some lines must have changed because

00153

1 actually the oxidated DNA damage that I worked on had to
2 do with the chlorine nitro-induced brain tumors in
3 rodents, and those are published studies, but I didn't
4 do any laboratory research.

5 This is a mistake. I didn't do any laboratory
6 research on oxidative DNA damage and benzene-induced
7 leukemia. Oh, okay. Well, if you interpret -- let's
8 put it this way. It is correct if you don't include

9 oxidative DNA damage with benzene-induced leukemia
10 because that was the DNA binding studies that I
11 mentioned. That's what confused me.

12 So I have done work on oxidative DNA damage and
13 I have done work on benzene-induced leukemia in the
14 sense of those DNA bindings studies that I mentioned to
15 you.

16 Q This is what you did for the EPA?

17 A Correct.

18 Q We already talked about it?

19 A Yes.

20 Q Now, when you were a consultant to IARC for
21 these monographs, is it true that IARC has some
22 independent consultants and some industry affiliated
23 consultants on these panels?

24 A The industry representatives are limited to
25 one.

00154

1 Q Were you ever the industry representative on
2 any of these panels?

3 A No.

4 Q For whom did you do the site specific risk
5 assessments involving oil and sediment remediation?

6 A Well, the main one was the Paoli rail yard.

7 Q We discussed that. The others, who were the
8 companies?

9 A All -- I am doing one right now for Amtrak. We
10 have done a lot of -- well, that's not remediation, but
11 we did -- we designed some of the original cleanup
12 standards for power companies for their PCB's.

13 Q Now, under Health Effects of Air Pollutants,
14 for whom did you do work regarding sulfur dioxide?

15 A Well, as I mentioned, during these hearings for
16 permitting power plants, that would have been that one.

17 Q What about particulates?

18 A Same.

19 Q Nitrogen oxides?

20 A Yes.

21 Q Sulfuric acid mist?

22 A Same.

23 Q Carbon monoxide?

24 A Well, that would have been the same, but I have
25 also done -- do you remember I talked to you about that

00155

1 video I did for Penn Station, carbon monoxide was a big
2 problem in Penn Station.

3 Q Incidentally, have you done any other
4 videotapes of hazards?

5 A I think that was the only one.

6 Q What about lead?

7 A Lead, this was -- most of my work in lead was
8 done when I was -- this is in the '70s when I had my own
9 company and I -- we did all government contract work, so
10 the lead work was done for Health and Human Services,

11 HUD, National Bureau of Standards.
12 Q What was that company you are referring to?
13 A Medical Research Applications.
14 Q What about beryllium?
15 A Beryllium, I analyzed all the Brush Wellman
16 cases, CBD cases.
17 Q You did that for Brush Wellman?
18 A Yes.
19 Q Fluorine?
20 A Fluorine was also part of the power plants.
21 Q Mercury?
22 A Same.
23 Q Antimony?
24 A Yes.
25 Q Arsenic?

00156
1 A Yes. This whole list is all things we
2 analyzed. This whole list going down is only regarding
3 power plants.
4 Q All the rest of it?
5 A Yes.
6 Q For petroleum products, you have risk
7 assessment, medical evaluation, worker protection
8 evaluation for petroleum-derived solvents and oils. For
9 whom did you do that work?
10 A Well, I did some work for General Electric
11 related to especially oils because they were -- when
12 PCB's got banned, they had to retrofit all their
13 capacitors with oils, mineral oils. And so I did an
14 analysis of the question of whether or not mineral oils
15 could cause cancer for them.
16 Q Was that published?
17 A No.
18 Q What was your conclusion?
19 A Well, some of the older mineral oils had been
20 shown to cause skin tumors in animals. There was
21 nothing in humans indicated that there were human
22 carcinogens. The mineral oils that were refined didn't
23 cause skin tumors.
24 So in terms of, again, if you rely upon the
25 animal studies and you want to please regulatory

00157
1 agencies when you are retrofitting transformers, you
2 want to make sure you are using an oil that is negative
3 in the animal studies.
4 MR. METZGER: Could we have those exhibits,
5 Ruth.
6 Next is what -- so we'll mark, as Exhibit 6,
7 the bills for this case.
8 (Plaintiff's Exhibit 6 was marked for
9 identification by the court reporter.)
10 BY MR. METZGER:
11 Q And are these the bills which WOLA generated
12 for your work in this case?

13 A Yes, they appear to be.

14 Q And how much work have you done that's not
15 reflected in those bills?

16 A Let's see, these go through March and we are in
17 the middle of May.

18 Q If you could estimate for me the number of
19 hours that you put, since that's not included in these
20 bills.

21 MS. KAHN: Including time today in the
22 deposition?

23 BY MR. METZGER:

24 Q Well, let's say up until the deposition,
25 beginning today.

00158

1 A Including coming here and --

2 Q Sure.

3 A Because I don't think I worked much in -- was
4 March included in here? Yes. Oh, boy, you know --

5 MS. KAHN: If you can estimate, fine. Don't
6 speculate.

7 THE WITNESS: I can't tell you. I can tell you
8 it is probably more than 20 hours, but beyond that, I
9 can't tell you.

10 MR. METZGER: I am going to attach the other
11 three -- well, I am going to attach the Zhang abstract,
12 which we discussed, previously identified as Plaintiff's
13 Exhibit 16819. I guess we should mark these as exhibits
14 to be consistent, so that will be Exhibit 7, and your
15 abstract or summary presented in Ottawa will be
16 Exhibit 8.

17 (Plaintiff's Exhibits 7-8 were marked for
18 identification by the court reporter.)

19 MR. METZGER: And then your publication of what
20 you did present in Ottawa, previously identified as
21 Plaintiff's Exhibit 8738, will be Exhibit 9.

22 (Plaintiff's Exhibit 9 was marked for
23 identification by the court reporter.)

24 THE WITNESS: Just out of curiosity, where --
25 were these here? Where was -- where did that become an

00159

1 exhibit?

2 BY MR. METZGER:

3 Q Those are just my office's reference numbers.

4 A I see, okay.

5 Q It was not for trial or anything, I don't
6 think.

7 Dr. Whysner, have you now told me all of your
8 opinions for this case?

9 A Well, I have -- well, not really. I have got a
10 lot of subopinions to those major opinions that we
11 discussed, and those are included in this box of these
12 that I was prepared to talk about them in relationship
13 to these references that I have here.

14 Q I don't want to go through every article with

15 you, but let me ask you, what subopinions are you
16 referring to?

17 A Okay. Well, first of all, we talked about the
18 issue of all these different kind of solvents and I
19 don't know if you know, but the fact that gasoline has
20 not been classified as a human carcinogen, and I was --

21 For example, the ATSDR says, therefore, there
22 is no conclusive evidence to support or refute the
23 carcinogenic effect of gasoline in humans or animals
24 based on the carcinogenicity of one of its components,
25 benzene. Now, this brings up an interesting --

00160

1 Q Let me see what you are reading from.
2 Toxicological profile for gasoline of ATSDR from 1995;
3 correct?

4 A Correct. So one of my opinions has to do with
5 the fact that when benzene is part of a mixture of
6 exposures, especially in the form of a mixed solvent,
7 that it doesn't necessarily follow that the benzene
8 makes that mixture carcinogenic. And there are a number
9 of papers that I have in this file that really have --
10 that have to do with explaining how other chemicals
11 actually inhibit the genotoxicity of benzene.

12 So -- and its metabolisms to the metabolites
13 that are believed to be those that are produced that are
14 capable of producing AML. So in this folder, for
15 example, I have a number of studies which, if you want
16 me to identify them, I will.

17 Q Well, let me just see what we are looking at
18 here.

19 A This includes more than this stuff, but there
20 are studies in here by Gad-El-Karim, Tunek, Tice, a
21 number of authors to make the point that this actually
22 has to do with sort of a chemical mixture point. I
23 think it would be better if I went through the file and
24 pointed out which ones they were.

25 Q Let me ask -- just ask you, have you studied
00161

1 all the literature on this topic?

2 A Yes, I think so.

3 Q Isn't it true that the competitive inhibition
4 of benzene toxicity from other organic solvents is a
5 phenomenon which does not occur at doses to which
6 workers are exposed, it only occurs at much higher
7 levels?

8 A I think there is a workers exposed study there
9 and I could find it for you.

10 Q Do you know the answer to my question? Do you
11 want to look at that study to answer it?

12 A This is the study by Forni, that is chromosomal
13 studies of workers exposed to benzene or toluene or
14 both.

15 Q Does that study address toluene inhibiting of
16 benzene toxicity?

17 A What it says is that -- it says 10 of the
18 workers were exposed to benzene before 1953 and then to
19 toluene and 24 have been exposed to toluene after 1953.
20 There were portions of unstable and stable chromosomal
21 aberrations that were statistically higher in the
22 benzene group compared with the controls in -- the
23 benzene group in comparison with the toluene group.
24 Q Okay. Isn't it true that you would expect that
25 because benzene causes hematotoxicity and leukemia,
00162

1 whereas toluene doesn't; true?

2 A But -- well, I think this is comparing people
3 that were exposed to both benzene and then to toluene.

4 Q I understand, but does this study, in your
5 opinion, in any way, provide scientific support for the
6 notion that toluene inhibits benzene toxicity?

7 A I believe it does.

8 Q There is nothing in that article that says that
9 at all, does it, they don't even speak with inhibition
10 to benzene toxicity by toluene. Does Dr. Forni?

11 A Well, I have to read it back through again to
12 be sure, but I thought that it did, and there are other
13 articles in here that certainly talk about other types
14 of studies where these benzene and xylene and toluene do
15 inhibit the genotoxicity and metabolism.

16 Q That's well-established; is it not?

17 A Yes.

18 Q But does -- do you have any studies which show
19 that that is a phenomenon which occurs at doses relevant
20 to occupational exposures as opposed to high doses given
21 to experimental animals?

22 A Well, I don't have any other studies here.

23 Q So what other subopinions do you have?

24 MS. KAHN: I think the witness was going to say
25 something and you cut him off.

00163

1 MR. METZGER: I thought you finished.

2 THE WITNESS: That's okay.

3 MS. KAHN: Are you sure?

4 THE WITNESS: Yes.

5 BY MR. METZGER:

6 Q What other subopinions do you have?

7 A Well, this goes, again, to the issue of
8 solvents and solvent exposures or so-called solvent
9 exposures, and that has to do with, for example -- and
10 this is an example of the types of things that happened
11 and solvent studies.

12 I could go through all of these and show you
13 these kinds of problems with the study but, for example,
14 Hardell, 1981, everybody quotes about solvent exposures
15 and relationship to Non-Hodgkins lymphoma, and what they
16 actually say is that organic solvents analysis of high
17 grade and low grade exposure towards organic solvents
18 produce a relative risk of 2.8 and 1.2 respectively,

19 table 5.

20 Of the subjects with high grade exposure, seven
21 cases and three controls were exposed to
22 trichloroethylene, one case and five controls to
23 styrene, one case to perchloroethylene, and one to
24 benzene.

25 Again, I think this is one of the papers that
00164

1 your plaintiff's experts quoted as supporting their
2 opinion regarding that. And I think that that type of
3 error is pervasive through their whole analysis.

4 Q What type of error are you referring to?

5 A The fact that they are not taking into account
6 that the solvents that they are talking about and the
7 studies that they are referring to are necessarily in
8 any way possibly related to even the alleged exposures
9 that Mr. Remboldt had.

10 Q Isn't it true that in many of the studies,
11 multiple regression analysis and other analyses are used
12 to separately evaluate different solvents?

13 A But they haven't done that, they have not gone
14 through systematically and shown that the types of
15 solvents that are alleged to be -- that his exposures
16 are alleged to be are the ones that they are actually
17 talking about in their evaluation.

18 Q You say they haven't done that. Do you mean
19 they weren't asked to do that at the deposition?

20 A No, I am saying that their analysis, when they
21 form an opinion, that these are the studies that support
22 my belief or my opinion that solvent exposures involved
23 in this case could have resulted in the Non-Hodgkins
24 lymphoma that we are talking about.

25 I think that if you look at those studies, a
00165

1 lot of them have absolutely no bearing, no possible
2 bearing, and I don't think that they have done the job
3 of trying to sort out which of those studies could they
4 use or which of them they couldn't use.

5 I mean, there is a whole literature that I have
6 here on chlorinated solvents and Non-Hodgkins lymphoma
7 and, you know, and trichloroethylene, especially the
8 people have been looking at and in a lot of these
9 studies, it makes no distinction in terms of which types
10 of solvents that they are actually looking at, so that's
11 one of my criticisms.

12 Q Before we get to criticisms of the plaintiff's
13 experts, I want to be sure that I have all of your
14 subopinions for your opinions. Okay. For example, you
15 just raised the issue of competitive inhibition of
16 benzene toxicity from other organic solvents. Are there
17 any other subopinions that you have that you have not
18 yet told me about, apart from criticisms of the
19 plaintiff's experts?

20 A Well, another -- I don't know if this is a -- I

21 am trying to explain why I would use certain studies and
22 why I would not use other studies in terms of my
23 analysis. Even though on the face of it some people
24 would say, well, these are studies about solvents or
25 even about petroleum solvents, one of these has to do

00166

1 with the rubber workers studies, and I have an article
2 here by Arp, A-R-P, and --

3 Q Let me just cut to the chase. Is your concern
4 confounding here or something else?

5 A My concern is the fact that the studies, the
6 rubber workers, were based upon solvents including
7 benzene. They were cold tar derived rather than
8 petroleum-based, and what this article is talking about,
9 although it is not talking about -- specifically about
10 Non-Hodgkins lymphoma, it is pointing out the fact that
11 a lot of these studies, when you look at the risk
12 estimates in this case, they are primarily looking at
13 CLL.

14 But in any event, they found a big difference
15 between whether or not one was looking at
16 petroleum-based solvents or cold tar-based solvents, and
17 I am just trying to point out that that is the reason
18 that I didn't think that the rubber worker studies were
19 relevant to this particular situation.

20 Q All right. What other subopinions do you have?

21 A I want to make the point that there is a whole
22 group of studies in my file that the minority of which
23 show any kind of an association between certain -- a
24 particular kind of solvent exposure and Non-Hodgkins
25 lymphoma, but the vast majority of the studies in this

00167

1 file don't show that association.

2 Q Are all the studies you are referring to listed
3 on your literature list?

4 A Yes.

5 Q What other subopinions do you have?

6 A There are a number of cohort studies of benzene
7 that include Non-Hodgkins lymphoma, and one of my
8 opinions about the only positive study, which is the
9 Hayes study, has to do with their own comment that was
10 published in year 2000 which states that -- it says,
11 this strongly suggests that the observed increased risk
12 for these -- talking about ANLL and MDS -- this strongly
13 suggests that the observed increase in risk for these
14 conditions are due to the common exposure to benzene.

15 We noted, however, that an excess of NHL was
16 found in our study could especially be attributed to
17 exposures, as the excesses were (inaudible) in the
18 subset of benzene exposed chemical workers; in other
19 words, the study that has been talked about a lot as
20 being positive for Non-Hodgkins lymphoma.

21 Their own authors are saying that if you look
22 at the different industries that were analyzed, there is

23 a big difference between them, and benzene is common to
24 all of them and -- whereas, acute myelogenous leukemia
25 is consistent across those various occupations,
00168
1 Non-Hodgkins lymphoma is not, which makes them think
2 that it is a possibility that there is some other
3 chemical involved or other exposure.
4 Q Namely solvents, that's what they are
5 suggesting; right?
6 A They don't say solvents.
7 Q All right.
8 A And then, again, you have all the studies. The
9 Rinsky study, of course, doesn't show any relationship
10 to Non-Hodgkins lymphoma with benzene exposure.
11 Q Let me ask you about that. Were there any
12 Non-Hodgkins lymphoma in the Pliofilm cohort?
13 A There were five and there were 5.19 expected.
14 Q Isn't it true that the five that you mentioned
15 did not found out of the Non-Hodgkins lymphoma in the
16 Pliofilm cohort?
17 A What do you mean?
18 Q That there were other cases that were not
19 included in that number; isn't that true?
20 MS. KAHN: Objection, lacking foundation.
21 THE WITNESS: If you can show me some
22 document.
23 BY MR. METZGER:
24 Q Would you consider a publication in the Federal
25 Register to be indicating that there was at least one
00169
1 more case that was not included there to be such a
2 document?
3 MS. KAHN: Objection, lacks foundation.
4 THE WITNESS: If you could show me the
5 document, I could comment on it.
6 MR. METZGER: I will show it to you at trial.
7 Go ahead.
8 Q What else?
9 A Well, you want me to list the individual
10 studies of the cohort studies or --
11 Q I gather you are giving me criticism. Let's go
12 ahead, since we are on this track.
13 A Okay. One is by Bloemen, B-l-o-e-m-e-n. These
14 are just the cohort studies, and they did not find an
15 increase in Non-Hodgkins lymphoma, SMR 1.06 for benzene
16 exposed chemical workers.
17 Collins, and that's from 2003, and they did not
18 find an increased risk compared to their no exposure
19 group. We talked about Costantini.
20 Decoufoe --
21 MS. KAHN: Can you spell that for the court
22 reporter, please.
23 THE WITNESS: D-e-c-o-u-f-o-e. It is a small
24 study, but they didn't report any Non-Hodgkins

25 lymphomas. We talked about the Hayes study. And the
00170
1 Hayes study that commented on the first Hayes study.
2 The Rinsky study was, of course, started by Dr. Infante
3 and this is the first update.
4 Lynge, Risk of Cancer and Exposure to Gasoline
5 Vapors, is male service station workers. The
6 Non-Hodgkins lymphoma was not increased, there was 1.1.
7 There is the Rinsky 87 which shows the dose response
8 relationship. This is the 2002 Rinsky study.
9 Sorahan is from 2004, and for them, the
10 Non-Hodgkins lymphoma was an SMR of .93, they call it
11 94. S-o-r-a-h-a-n. Wong, this is from 1986, and they
12 found an SMR for lymphosarcoma and articular sarcoma of
13 .9. Lymphosarcoma. And then Wong from 1995 -- I am
14 sorry, this is a re-analysis of the dose response
15 issue.
16 Q Have you now gone through all the cohort
17 studies in your file here?
18 A For benzene.
19 Q Are those all of the cohort studies that you
20 have reviewed regarding benzene and Non-Hodgkins
21 lymphoma?
22 A Yes.
23 Q Isn't it true that if you look at the studies
24 of the United States refinery workers with the different
25 oil companies, that most of those studies do show
00171
1 increased risk for Non-Hodgkins lymphoma?
2 A Well, I can go through them. I don't think
3 they do.
4 Q If you have a file specifically for refinery
5 studies?
6 A Yes.
7 Q Does that include the unpublished refinery
8 studies which the industry suppressed because they had
9 positive results?
10 MS. KAHN: Objection, argumentative, lacking in
11 foundation, vague and ambiguous.
12 THE WITNESS: If you could show me those
13 studies, I would be able to comment.
14 BY MR. METZGER:
15 Q Let me just ask you, within this folder, do you
16 have any unpublished studies or are they all
17 publications that you reviewed here, that you have read
18 here?
19 A Well, there is a study by Delzell in 1992 which
20 is unpublished. There is the Australian Health Watch,
21 2005. Pastergol (ph.), a refinery mortality study.
22 Tsai 1991.
23 MS. KAHN: That's a study by Shell Oil refinery
24 workers.
25 THE WITNESS: Yes. Second copy of the same
00172

1 study. A study that was done -- I think this was the
2 Ottawa study that became a publication on the Richmond
3 and El Segundo refineries. A study by Chevron
4 Corporation, Dagg, D-a-g-g, Richmond and El Segundo
5 refineries. And another Dagg study -- I am sorry,
6 that's a published study.

7 So in answer to your question about whether or
8 not there are increases, I mean, certainly Otto Wong
9 came up with a .99 for their overall analysis of
10 Non-Hodgkins lymphoma and --

11 BY MR. METZGER:

12 Q You are referring to his meta-analysis?

13 A That's right, .99.

14 Q By the way, have you read the criticisms of
15 that study?

16 A I don't recall.

17 Q I am not going to ask you to go through these
18 studies because they all have the tables which give the
19 data.

20 A Yes.

21 Q You don't need to read that to me. I can read
22 that. I have read it. So what other subopinions do you
23 have?

24 MS. KAHN: It is certainly your prerogative to
25 not have him go into these individual studies. I will

00173

1 tell you the studies for the basis for his opinions.

2 MR. METZGER: Absolutely, he can talk about
3 them at trial. I have no doubt about that.

4 THE WITNESS: Okay. We are -- we already
5 talked about my opinions about the Rigo review. There
6 are a lot of studies in here when you actually look at
7 the individual studies, and I don't think that
8 Dr. Harrison actually went and looked at the individual
9 studies this review was based upon.

10 BY MR. METZGER:

11 Q Do you think he lied when he said he did?

12 A Did he say he looked at the individual
13 studies?

14 Q He said he read them all.

15 A Read them all. Then he should have known a lot
16 of them don't even support their own conclusions, I
17 mean --

18 Q Well, the studies speak for themselves. I am
19 asking you for your subopinions.

20 MS. KAHN: I think that's what he is trying to
21 give you. One of the subopinions, as I understand it,
22 is many of the studies on which plaintiff's experts rely
23 don't support their opinions. That's his opinion.

24 MR. METZGER: Okay. I could consider that
25 criticisms of plaintiff's experts. First, I want to

00174

1 find his opinions and then I will get to the
2 criticisms. That was my game plan here.

3 Q For example, your opinion that you told me
4 about competitive inhibition is something which came up
5 and we discussed that which was not a criticism of
6 plaintiff's experts because they didn't talk about
7 that. Are there any other subopinions that you have
8 other than criticisms of plaintiff's experts?

9 A Well, I think it is -- well, my general opinion
10 is that I think it is generally accepted that any
11 chemical exposure has not been related to Non-Hodgkins
12 lymphoma. And that's true if you look at web sites, for
13 example, from Johns Hopkins Medical School, which I
14 have.

15 Q Do you consider --

16 A Mayo Clinic.

17 Q Do you consider these one-page things on the
18 Internet from these different schools to be
19 authoritative?

20 A Well, the only reason I did it was because
21 Wabeke included this one in his exhibits and so -- and,
22 actually, this one says that reasons are not known
23 except for radiation could possibly, in conjunction with
24 chemotherapy, so I figured if he was going to put that
25 in the record, that I might, as well.

00175

1 Q Any other subopinions that you have?

2 A Some. We talk about butoxyethanol, since
3 Dr. Infante was mistaken about the bone marrow toxicity
4 issue.

5 Q Well, I understood that that's a criticism you
6 have of him, but I don't need to talk about that. Let's
7 go on.

8 MS. KAHN: I think it may be a matter of
9 opinion whether or not it is a criticism or not. Is the
10 glass half full or the glass half empty. If it is
11 Dr. Whysner's opinions that butoxyethanol does not cause
12 a particular adverse health effect, some might say
13 that's an affirmative opinion. Others might say that's
14 a criticism of another witness's testimony, so --

15 MR. METZGER: In any event, I don't need to
16 hear anything about butoxyethanol.

17 MS. KAHN: Fair enough. Butadiene, do you want
18 to hear anything about that?

19 MR. METZGER: I want to hear his subopinions,
20 that's what I am asking for.

21 Q Have you told me now all your subopinions?

22 A I think so. Well, we mentioned the fact that a
23 lot of these solvents, the xylene, toluene, have been
24 evaluated by governmental agencies and not classified as
25 carcinogens. Benzene has been based upon its finding of

00176

1 acute myelogenous in leukemia, but it has not been found
2 to be a carcinogen, and gasoline is not considered to be
3 a carcinogen by IARC.

4 It has not been listed. None of these other

5 solvents have been listed by the National -- NPT as
6 being carcinogens. And so I don't think that they can
7 be considered human carcinogens.

8 Q Any other subopinions?

9 MS. KAHN: Is one of your subopinions that
10 crude oil is not a human carcinogen?

11 THE WITNESS: Yes, that's part of the petroleum
12 products, IARC.

13 MS. KAHN: Is it your opinion that the exposure
14 to crude oil does not cause Non-Hodgkins lymphoma?

15 THE WITNESS: Yes.

16 MR. METZGER: Okay.

17 Q Any other subopinions?

18 A Besides the criticisms of --

19 Q We'll get to those. Any other subopinions for
20 your opinions?

21 A No, I think that's it.

22 MS. KAHN: You may have covered this while I
23 was out of the room, but since I don't know that's the
24 case, is it your opinion that none of Mr. Remboldt's
25 alleged exposures caused or contributed to his
00177

1 Non-Hodgkins lymphoma?

2 THE WITNESS: Yes.

3 MR. METZGER: That's covered. That's your
4 specific causation opinion?

5 THE WITNESS: I don't know if I actually gave
6 the opinion. I said that I was asked to give that
7 opinion.

8 BY MR. METZGER:

9 Q All right. Any other opinions or subopinions
10 that you have?

11 MS. KAHN: Is it your opinion that any of
12 Mr. --

13 MR. METZGER: I am asking him, not you.

14 MS. KAHN: -- Remboldt's potential exposures in
15 this case increased the risk of his developing
16 Non-Hodgkins lymphoma?

17 THE WITNESS: I don't believe that they did.

18 BY MR. METZGER:

19 Q Any other subopinions that you have? You are
20 looking to Ms. Kahn. Let's ask her for her
21 subopinions. I would ask her --

22 MS. KAHN: Take a moment to contemplate what's
23 been said and make sure nothing is being left out. The
24 hour is late for the witness. It is even later being on
25 East Coast time.

00178

1 THE WITNESS: I think that's it. We covered
2 it.

3 BY MR. METZGER:

4 Q Okay. Have you at least generally told me the
5 factual basis for all your opinions and subopinions?

6 A Yes, that's included in these files.

7 MS. KAHN: Regardless of whether you
8 discussed --
9 THE WITNESS: Regardless of whether or not I
10 have actually gone through each paper and pointed out
11 where I am basing my opinions on.
12 BY MR. METZGER:
13 Q Isn't it true that the lymphocytes is the most
14 sensitive cell to benzene toxicity?
15 A I don't know the answer to that.
16 MR. METZGER: Then we are done.
17 MS. KAHN: Do you want to ask him his
18 criticisms of plaintiff's expert's methodology or
19 opinions?
20 MR. METZGER: Oh, God, how long would that
21 take?
22 THE WITNESS: Well, I think we covered a lot of
23 it.
24 MR. METZGER: Well, how long would the rest of
25 it take?
00179 MS. KAHN: It is hard to predict. I don't
1 know.
2 MR. METZGER: I am not asking you, I am asking
3 him.
4 Give me some estimate.
5 THE WITNESS: Ten minutes, five minutes.
6 MS. KAHN: I don't want you to rush it. It
7 takes however long it takes.
8 MR. METZGER: Let's stop talking. Let him do
9 it.
10 THE WITNESS: I think we covered the main one,
11 which is the solvent literature business. The other one
12 of them is that I was kind of amazed that Dr. Harrison
13 didn't go into all of Mr. Remboldt's history, certainly
14 about his obesity issue, and consider that to be a
15 factor in his Non-Hodgkins lymphoma.
16 But also to his employment at this ski shop,
17 because as far as I know, the only thing in his medical
18 record that indicates exposure to a chemical causing him
19 any medical complaints is from some solvent or some
20 chemical that was being used at the ski shop.
21 Not that I think that, as I mentioned, that
22 solvents are related to Non-Hodgkins lymphoma, but I
23 find it very curious that Dr. Harrison either didn't see
24 that, didn't elicit that from his examination of him,
00180 because Mr. Remboldt complained at Kaiser Permanente
1 about the fact that chemicals were hurting his warts on
2 his hands and he worked at the ski shop for about 10
3 years.
4 So, I mean, it is just another -- to me, it is
5 another indication that either Dr. Harrison didn't do a
6 very complete job or he was being very selective about
7 what he was presenting and talking about.
8

9 BY MR. METZGER:
10 Q Let me inquire, then. Do you know what
11 chemical or chemicals Mr. Remboldt was exposed to at the
12 ski shop?
13 A Well, I know, for example, there have been --
14 toluene is a possibility. And it's been reported in the
15 literature.
16 Q That what?
17 A That these wax removers contained toluene.
18 Q My question was: Do you know what chemicals
19 Mr. Remboldt was exposed to at the ski shop?
20 A Nobody asked him.
21 Q Do you know what chemicals he was exposed to at
22 the ski shop?
23 A No, not specifically.
24 Q Do you know that you had the right, if you so
25 wished, to have a medical examination of Mr. Remboldt
00181
1 and to ask him questions about his history yourself?
2 Did you know that you could do that?
3 MS. KAHN: As a toxicologist, I guess I
4 understand your question.
5 THE WITNESS: Well, I hadn't considered it.
6 That might be a good idea.
7 BY MR. METZGER:
8 Q You never asked Ms. Kahn or Mr. Riff if you
9 could examine Mr. Remboldt or if you could take a
10 history of him; true?
11 MS. KAHN: Would you have granted permission
12 for that to occur, Mr. Metzger?
13 MR. METZGER: Don't interrupt my questioning.
14 Q Did you ask Mr. Riff or Ms. Kahn if you could
15 conduct a physical examination of Mr. Remboldt?
16 A Well, I don't know. I mean, the physical
17 examination was done by Kaiser Permanente back in the
18 '80s.
19 Q Did you ask them if you could do a physical
20 examination of him?
21 A I don't see what a physical examination would
22 show.
23 Q So you didn't ask them if you could; correct?
24 A No.
25 Q That's correct?
00182
1 A That's correct.
2 Q Did you ask them if you could take an
3 occupational or other history of Mr. Remboldt?
4 A No.
5 Q As you sit here today, do you have an opinion
6 that the -- whatever chemicals Mr. Remboldt was exposed
7 to at the ski shop caused his Non-Hodgkins lymphoma?
8 A Well, as I mentioned, I don't think that there
9 are -- any chemical has been associated with
10 Non-Hodgkins lymphoma. The only reason I am bringing

11 this up is that I didn't think Dr. Harrison did do a
12 very complete job in terms of -- because he actually had
13 the ski shop occupation mentioned on his form, and I
14 presume that he reviewed the medical records.

15 Q To a reasonable degree of medical probability,
16 have you ruled out Mr. Remboldt's exposures at the ski
17 shop as a cause of his Non-Hodgkins lymphoma?

18 A Yes.

19 Q Do you have any other criticisms of plaintiff's
20 experts?

21 A Other than the ones we have discussed, no.

22 MR. METZGER: All right, then we are done. I
23 will propose that the court reporter may forward the
24 original transcript to Ms. Kahn, that Ms. Kahn will then
25 forward it to Dr. Whysner.

00183

1 Dr. Whysner, you may have 60 days from
2 Ms. Kahn's receipt of it to read it and to sign it and
3 make any corrections that you wish. I would ask that
4 you make the corrections on the pages where the
5 testimony occurs and on a correction sheet at the end so
6 everyone will know where they are. Is that all right
7 with you?

8 THE WITNESS: Yes.

9 MR. METZGER: You may also sign the transcript
10 under penalty of perjury under the laws of the
11 United States and of the laws of California. I will ask
12 you to put that on there, so that you don't have to
13 round up a notary to have your signature notarized. All
14 right?

15 THE WITNESS: All right.

16 MR. METZGER: When you have done that, I will
17 ask you then to forward the transcript to Ms. Kahn and
18 she can notify counsel of the changes and the -- within
19 a reasonable period.

20 And then if Ms. Kahn will forward the original
21 transcript to me, I will preserve it, make it available
22 for trial appearing on reasonable request. If for any
23 reason the transcript, the original transcript, is lost
24 or is not signed, a certified copy may be used with all
25 full force and effect.

00184

1 So stipulated.

2 MS. KAHN: I assume when you say he should sign
3 under the laws of the United States and California, that
4 you mean under -- you are referring to the laws about
5 perjury?

6 MR. METZGER: Yes, of course.

7 MS. KAHN: So stipulated.

8
9
10
11
12

13
14
15
16
17
18
19
20
21
22
23
24
25
00185

1
2
3
4
5
6
7
8

9 I, JOHN WHYSNER, M.D., do hereby declare under
10 penalty of perjury that I have read the foregoing
11 transcript; that I have made such corrections as noted
12 herein, in ink, initialed by me, or attached hereto;
13 that my testimony as contained herein, as corrected, is
14 true and correct.

15
16
17
18
19
20

EXECUTED this _____ day of _____,
2006, at _____, _____.
(City) (State)

JOHN WHYSNER, M.D.

21
22
23
24
25
00186

1
2
3
4
5
6
7
8
9
10
11
12
13

I, the undersigned, a Certified Shorthand
Reporter, do hereby certify:

That the foregoing proceedings were taken
before me at the time and place herein set forth; that
any witnesses in the foregoing proceedings, prior to
testifying, were placed under oath; that a verbatim
record of the proceedings was made by me using machine
shorthand which was thereafter transcribed under my
direction; further, that the foregoing is an accurate
transcription thereof.

I further certify that I am neither

14 financially interested in the action nor a relative or
15 employee of any attorney of any of the parties.

16 IN WITNESS WHEREOF, I have this date
17 subscribed by name.

18

19 Dated: _____

20

21

22

REBECCA CORRAL

CSR NO. 7021

23

24

25