

V. 146

1 ONCOLOGY.

2 Q. WHEN AND FROM WHAT SCHOOL DID YOU
3 RECEIVE YOUR MEDICAL DOCTOR DEGREE?

4 A. JEFFERSON -- THOMAS JEFFERSON
5 UNIVERSITY MEDICAL COLLEGE 1967.

6 Q. IS THAT IN PHILADELPHIA,
7 PENNSYLVANIA?

8 A. YES.

9 Q. UPON COMPLETION OF YOUR MEDICAL
10 SCHOOL TRAINING, DID YOU SERVE AN INTERNSHIP?

11 A. YES, I DID.

12 Q. WHERE DID YOU SERVE YOUR INTERNSHIP?

13 A. PHILADELPHIA GENERAL HOSPITAL.

14 Q. AND HOW LONG DID YOU SERVE THAT?

15 A. ONE YEAR.

16 Q. AND COULD YOU DESCRIBE BRIEFLY THE
17 DUTIES THAT YOU HAD DURING YOUR INTERNSHIP?

18 A. I'D BE RESPONSIBLE FOR THE CARE OF
19 MEDICAL IN PATIENTS AND OUT PATIENTS.

20 Q. IN GENERAL MEDICINE?

21 A. YES.

22 Q. UPON COMPLETION OF YOUR INTERNSHIP,
23 DID YOU SERVE A RESIDENCY?

24 A. YES, I DID.

25 Q. WHAT IS A RESIDENCY? WHAT DOES THAT

1110

2119001

1 MEAN?

2 A. WHEN ONE GRADUATES FROM MEDICAL
3 SCHOOL, ONE BECOMES -- IS REQUIRED TO SERVE
4 ONE YEAR AS A PHYSICIAN IN A HOSPITAL SETTING
5 AND THEN ONE GETS A LICENSE TO PRACTICE
6 MEDICINE. DURING INTERNSHIP, UNDER IMMEDIATE
7 SUPERVISION. A RESIDENT IS A FURTHER TRAINING
8 IN WHATEVER FIELD IS DESIRED.

9 Q. SO IT'S FURTHER TRAINING IN A
10 SPECIALIZED FIELD?

11 A. YES.

12 Q. WHERE DID YOU SERVE YOUR RESIDENCY?

13 A. THOMAS JEFFERSON UNIVERSITY HOSPITAL.

14 Q. AND HOW LONG WAS THAT RESIDENCY?

15 A. IT WAS TWO YEARS.

16 Q. AND WHAT FIELD OR AREA OF MEDICINE
17 DID YOU SPECIALIZE IN IN YOUR RESIDENCY AT
18 JEFFERSON?

19 A. INTERNAL MEDICINE, WHICH IS THE NONE
20 SURGICAL TREATMENT OF ADULTS.

21 Q. IT IS INTERNAL ORGANS OF THE BODY?

22 A. YES.

23 Q. AFTER THE COMPLETION OF YOUR
24 RESIDENCY, DID YOU SERVE ANY ADDITIONAL
25 RESIDENCY OR FELLOWSHIPS TO FURTHER

2110002

1 SPECIALIZED --

2 A. I WAS A MEDICAL ONCOLOGIC FELLOW,
3 WHICH IS A SUBSPECIALTY OF MEDICINE.

4 Q. WHERE DID YOU SERVE THIS FELLOWSHIP?

5 A. I SERVED ONE YEAR AT JEFFERSON
6 UNIVERSITY HOSPITAL AND ONE YEAR AT AMERICAN
7 ONCOLOGIC HOSPITAL, BOTH IN PHILADELPHIA.

8 Q. NOW, UPON COMPLETION OF YOUR
9 FELLOWSHIP, DID YOU BEGIN TO WORK IN THE
10 PRIVATE PRACTICE OF MEDICINE?

11 A. YES, I DID.

12 Q. IS THERE ANY PARTICULAR AREA OF
13 MEDICINE THAT -- IN WHICH YOU RESTRICT YOUR
14 PRACTICE OR SPECIALIZE IN?

15 A. MEDICAL ONCOLOGY.

16 Q. CAN YOU DESCRIBE FOR US WHAT
17 ONCOLOGY IS AND WHAT AN ONCOLOGIST DOES?

18 A. IT COMES IN A GREEK WORD MEANING
19 CANCER, GROWTHS, AND IT HAS TO DO WITH THE
20 DIAGNOSIS, TREATMENT, PREVENTION OF CANCER.

21 Q. DOES ONCOLOGY -- DO YOU AS AN
22 ONCOLOGIST ALSO CONCERN YOURSELVES WITH THE
23 CAUSES OF CANCER AND THE GROWTHS OF CANCEROUS
24 TUMORS?

25 A. YES.

21110003

1 Q. IS ONCOLOGY AN AREA OF MEDICINE THAT
2 IS RECOGNIZED AS AN AREA SPECIALIZATION BY
3 ANY BOARD OR ORGANIZATION?

4 A. YES.

5 Q. HAVE YOU BEEN CERTIFIED BY --

6 A. YES.

7 Q. BY SUCH BOARD OR ORGANIZATION?

8 WHAT IS THAT BOARD THAT
9 CERTIFIES SPECIALIST IN ONCOLOGY?

10 A. IT'S A SUBSPECIALTY OF INTERNAL
11 MEDICINES, SO THE CERTIFIER IS THE AMERICAN
12 BOARDS OF INTERNAL MEDICINE.

13 Q. AND WHAT HAVE YOU BEEN CERTIFIED AS?

14 A. FIRST I WAS CERTIFIED IN INTERNAL
15 MEDICINE IN 1972 AND I WAS THEN CERTIFIED IN
16 MEDICAL ONCOLOGY IN 1977.

17 Q. WERE THERE ANY REQUIREMENTS TO
18 OBTAIN THIS CERTIFICATIONS THAT YOU RECEIVED
19 FROM THAT BOARD?

20 A. ONE HAS TO BE RECOMMENDED BY THE
21 HEAD OF THE TRAINING PROGRAM AND ONE HAS TO
22 PASS AN EXAMINATION.

23 Q. DOCTOR, WITH WHAT HOSPITALS ARE YOU
24 PRESENTLY ASSOCIATED?

25 A. CURRENTLY I'M WITH FOUR HOSPITALS:

21110004

1 THOMAS JEFFERSON UNIVERSITY HOSPITAL,
2 METHODIST HOSPITAL, NAZARETH HOSPITAL, ALL IN
3 PHILADELPHIA AND OUR LADY OF LOURDES HOSPITAL
4 IN CAMDEN, NEW JERSEY.

5 Q. WHAT IS YOUR POSITION AT THE
6 JEFFERSON HOSPITAL?

7 A. I'M AN ATTENDING PHYSICIAN IN THE
8 HOSPITAL AND I'M ASSISTANTS PROFESSOR OF
9 MEDICINE IN THE MEDICAL SCHOOL.

10 Q. SO, YOU TEACH, IN ADDITION TO YOUR
11 MEDICAL PRACTICE, YOU TEACH AT THE MEDICAL
12 SCHOOL?

13 A. YES.

14 Q. AT JEFFERSON?

15 A. YES.

16 Q. WHAT IS YOUR -- WHAT DO YOU TEACH
17 OVER AT THE MEDICAL SCHOOL, WHAT AREAS OF
18 MEDICINE?

19 A. INTERNAL MEDICINE AND MEDICAL
20 ONCOLOGY.

21 Q. WHO DO YOU TEACH?

22 A. MEDICAL STUDENTS, RESIDENTS.

23 Q. MEDICAL STUDENTS AND RESIDENTS?

24 A. RIGHT.

25 Q. DOCTOR, HAVE YOU WRITTEN ANY

21110005

1 ARTICLES THAT HAVE BEEN PUBLISHED IN ANY
2 MEDICAL OR SCIENTIFIC JOURNALS?

3 A. YES, I HAVE.

4 Q. APPROXIMATELY HOW MANY ARTICLES HAVE
5 YOU WRITTEN OR PUBLISHED?

6 A. SEVENTEEN ARTICLES AND TWO CHAPTERS
7 IN BOOKS.

8 Q. AND GENERALLY, WHAT OF THESE
9 ARTICLES AND CHAPTERS IN THE BOOKS DEALT WITH?

10 A. MAJOR EMPHASIS HAS BEEN ON
11 IMMUNOTHERAPY OF MALIGNANCY.

12 Q. THAT'S THE TREATMENTS OF CANCER?

13 A. TREATMENT OF CANCER.

14 Q. IN ADDITION TO THE DUTIES THAT
15 YOU'VE JUST DESCRIBED TO US, DO YOU ALSO
16 ENGAGE IN AT ANY TIME AS A CONSULTANT FOR ANY
17 OUTSIDE AGENCIES?

18 A. WELL, NOT -- NOT USUAL USUALLY.
19 I'M A PARTICIPANT IN A CANCER RESEARCH GROUP,
20 BUT IT'S NOT A CONSULTANT, I DON'T THINK.

21 Q. NOW, DOCTOR, IS IT TRUE THAT I'VE
22 ADVISED THAT OUR OFFICE WILL BE PAYING YOU
23 FOR THE TIME YOU'RE USING TO TESTIFY IN THIS
24 MATTER?

25 A. YES.

2110006

1 Q. DOCTOR, WHEN DID YOU FIRST COME TO
2 TREAT MR. JOHN GRASSO? I'M SORRY, DOCTOR.
3 BEFORE WE GET INTO THAT, YOUR HONOR, I'D LIKE
4 TO MOVE INTO EVIDENCE AS PLAINTIFF'S EXHIBIT
5 TEN THE RECORDS OF FOUR HOSPITALIZATIONS OF
6 MR. GRASSO AT THE JEFFERSON HOSPITAL, THE
7 FIRST HOSPITALIZATION PERIOD BEING JUNE 15,
8 1976 THROUGH JUNE 30, 1976; THE SECOND
9 HOSPITALIZATION BEING JULY 28, 1976 THROUGH
10 AUGUST 21, 1976; THE THIRD HOSPITALIZATION
11 BEING DECEMBER 23, 1976 TO DECEMBER 24, 1976;
12 AND THE FINAL HOSPITALIZATION BEING DECEMBER
13 29 -- DECEMBER 29, 1976 TO JANUARY 8, 1977.

14 THE COURT: THEY'RE ALL
15 MARKED AS ONE EXHIBIT.

16 MR. VASSALOTTI: THEY'RE
17 ALTOGETHER AND TABULATED AND I BELIEVE MR.
18 RENNEISEN HAS NO OBJECTION TO THIS.

19 THE COURT: ARE THEY
20 PREMARKED.

21 MR. VASSALOTTI: THEY'RE
22 PREMARKED.

23 MR. RENNEISEN: YOUR HONOR, I
24 HAVE AGREED ALL ALONG THAT I WOULD NOT
25 REQUIRE THE CUSTODIAN TO BE CALLED TO

21110007

1 AUTHENTICATE THEM. I THINK THAT THE DOCTOR
2 CAN REFER TO THEM AND, THEY WOULD BE
3 ADMISSIBLE OTHERWISE. I'M NOT AGREEING ON THE
4 ADMISSIBILITY TO THE ENTIRE RECORD.

5 THE COURT: LET'S HAVE THEM
6 MARKED FOR IDENTIFICATION AND THE DOCTOR MAY
7 REFER TO THEM.

8 MR. VASSALOTTI: IT'S BEEN
9 PREMARKED YOUR HONOR AS PLAINTIFF'S EXHIBIT
10 TEN.

11 (AT WHICH TIME THE AFOREMENTIONED EXHIBITS
12 WERE MARKED FOR IDENTIFICATION.)

13 BY MR. VASSALOTTI:

14 Q. NOW, DOCTOR, DID THERE COME A TIME
15 HAD YOU TREATED A MR. JOHN GRASSO?

16 A. YES.

17 Q. DO YOU RECALL WHEN YOU FIRST TREATED
18 HIM?

19 A. REFERRING TO THE RECORD, IT WAS
20 AUGUST 6, 1976.

21 Q. AND WHERE WAS THAT?

22 A. THOMAS JEFFERSON UNIVERSITY HOSPITAL.

23 Q. AND DID YOU EXAMINE HIM AT THAT TIME?

24 A. YES.

25 Q. AND WHAT WERE HIS PHYSICAL

21140008

1 COMPLAINTS WHEN YOU FIRST SAW HIM AT THAT
2 TIME?

3 A. HE HAD COUGHED UP BLOOD, PAIN IN HIS
4 ABDOMEN, FEVER.

5 Q. DID YOU INQUIRE AS TO WHETHER HE HAD
6 BEEN AT THE JEFFERSON HOSPITAL BEFORE THE
7 TIME THAT YOU -- THE HOSPITALIZATION WHEN
8 YOU FIRST TREATED HIM?

9 A. I WAS AWARE, YES.

10 Q. DID YOU REVIEW THE RECORDS OF THAT
11 PREVIOUS HOSPITALIZATION WHEN YOU WERE CALLED
12 IN TO SEE MR. GRASSO?

13 A. I DID.

14 Q. NOW, DOCTOR, YOU'VE JUST BEEN HANDED
15 THE MEDICAL RECORDS FOR THE HOSPITALIZATIONS.
16 THOSE RECORDS INDICATE THAT MR. GRASSO WAS
17 FIRST HOSPITALIZED AT JEFFERSON IN JUNE OF
18 1975.

19 FROM YOUR REVIEW OF THOSE
20 RECORDS, CAN YOU TELL US WHAT MR. GRASSO'S
21 PHYSICAL COMPLAINTS WERE WHEN HE WAS TREATED
22 DURING THAT FIRST HOSPITALIZATION?

23 A. HE COUGHED UP BLOOD.

24 Q. AND WHAT TESTS OR TREATMENT WAS
25 UNDERTAKEN DURING THAT FIRST HOSPITALIZATION

2110009

1 OF MR. GRASSO TO DETERMINE WHAT MIGHT BE
2 CAUSING HIS PROBLEM?

3 A. WELL, HE HAD A CHEST -- CHEST
4 X-RAY; HE HAD BRONCHOSCOPY, WHERE ONE LOOKS
5 INTO THE LUNG, THE BRONCHI TO SEE WHETHER
6 THEY WOULD FIND A TUMOR. THEY DIDN'T. AND HE
7 WAS DISCHARGED WITH A DIAGNOSIS OF
8 HEMOPHTHISIS, WHICH IS A COMPLAINT,
9 BRONCHIECTASIS AND POSSIBLE --

10 Q. EXCUSE ME, WHAT IS HAD I MONTHS /TEU
11 CYST?

12 A. CAUGHING UP BLOOD.

13 Q. AND WHAT WERE THE OTHER --

14 A. AND POSSIBLE ASBESTOSIS.

15 Q. WAS THERE ANY DIAGNOSE MADE OF WHAT
16 MR. GRASSO'S CONDITION WAS AT THAT TIME?

17 A. NO. THERE WERE LABORATORY TESTS THAT
18 WERE ABNORMAL. BUT A CLEAR CONCLUSIVE
19 DIAGNOSIS WAS NOT REACHED.

20 Q. WERE ANY TESTS OF THE LIVER DONE
21 DURING THAT FIRST HOSPITALIZATION?

22 A. HE HAD ON PHYSICAL EXAMINATION, HIS
23 LIVER WAS OF NORMAL SIZE. LIVER ENZYMES WERE
24 PERFORMED AND ONE OF THEM WAS ABNORMAL.

25 Q. WHILE YOU THERE THERE WAS NO

2110010

1 DIAGNOSIS AT ANY -- OF ANY SPECIFIC
2 CONDITION AFTER THAT FIRST HOSPITALIZATION?

3 A. NO.

4 Q. WHEN WAS HE DISCHARGED FROM
5 JEFFERSON THAT FIRST TIME?

6 A. JUNE 30, 1976.

7 Q. AND THEREAFTER, WAS HE
8 REHOSPITALIZED AT THE JEFFERSON HOSPITAL?

9 A. YES.

10 Q. WHEN WAS THAT?

11 A. JULY 28, 1976.

12 Q. THAT WAS THE HOSPITALIZATION WHEN
13 YOU FIRST CAME TO TREAT MR. GRASSO; IS THAT
14 CORRECT?

15 A. YES.

16 Q. WHAT WAS HIS CONDITION UPON
17 ADMISSION TO JEFFERSON HOSPITAL THE SECOND
18 TIME?

19 A. THIS TIME, HE HAD RIGHT UPPER
20 QUADRANT PAIN, THAT'S IN THE ABDOMEN, COUGHED
21 UP BLOOD, FEVER.

22 Q. WERE ANY TESTS CONDUCTED ON MR.
23 GRASSO DURING THE SECOND HOSPITALIZATION IN
24 AN ATTEMPTS TO DETERMINE --

25 A. YES.

2110011

1 Q. -- WHAT CAUSED HIS PROBLEMS?

2 A. YES.

3 Q. CAN YOU GIVE ME A DESCRIPTION OF
4 SOME OF THE TESTS THAT WERE ADMINISTERED TO
5 HIM?

6 A. WELL, A PHYSICAL EXAMINATION
7 REMEMBER SEALED HE HAD A LARGE LIVER, A MASS.

8 Q. YOU MENTIONED IN THE FIRST
9 HOSPITALIZATION THE PHYSICAL EXAMINATION DID
10 NOT REVEAL A ENLARGED LIVER?

11 A. YES.

12 Q. AND THE SECOND HOSPITALIZATION DID
13 REVEAL AN ENLARGED LIVER?

14 A. YES.

15 Q. WHAT OTHER FINDINGS OR TESTS WERE
16 DONE?

17 A. A LIVER SCAN WAS ABNORMAL. IT WAS
18 CONSISTENT WITH METASTATIC DISEASE, OR CANCER.
19 BRONCHOSCOPY WAS REPEATED WHICH SHOWED HE HAD
20 DIFFUSE PULMONARY BLEEDING. CHEST X-RAY NOW
21 SHOWED TUMOR NODULES.

22 Q. IN THE LUNG?

23 A. IN THE LUNGS.

24 Q. THEY WEREN'T PRESENT BEFORE IN THE
25 X-RAY?

2110012

1 A. NO, THEY WERE NOT.

2 Q. THOSE TUMOR MODULES DID NOT SHOW IN
3 THE X-RAYS IN THE FIRST HOSPITALIZATION?

4 A. THAT'S CORRECT. THE LIVER BIOPSY
5 WAS PERFORMED.

6 Q. WHAT IS A BIOPSY?

7 A. WELL, BIOPSY IS TAKING A SAMPLE OF
8 SOMETHING. IT'S USUALLY SURGICAL.

9 Q. WAS A SURGICAL BIOPSY DONE WITH MR.
10 GRASSO OR WAS IT DONE BY ANOTHER MEANS?

11 A. IT WAS DONE BY A NEEDLE.

12 Q. HOW IS THAT ACCOMPLISHED?

13 A. ONE PUTS IN A LOCAL ANESTHETIC AND
14 PUTS A NEEDLE, WHICH HAS A HOLLOW CORE, AND
15 ONE STICKS IT IN AND TAKES -- SUCKS OUT A
16 PIECE OF LIVER FOR MICROSCOPIC EXAMINATION.

17 Q. AND AS A RESULT OF THE NEEDLE BIOPSY
18 THAT WAS DONE ON MR. GRASSO, WAS A DIAGNOSIS
19 OF HIS ILLNESS MADE?

20 A. YES.

21 Q. WHAT WAS THAT DIAGNOSIS?

22 A. ANGIOSARCOMA.

23 Q. WAS THE ANGIOSARCOMA LOCATED IN ANY
24 PARTICULAR PLACE?

25 A. IT WAS IN HIS LIVER.

21110013

1 Q. WHAT IS ANGIOSARCOMA OF THE LIVER,
2 CAN YOU TELL US?

3 A. IT'S A SMALL -- ANGIO MEANS BLOOD
4 VESSEL. SARCOMA MEANS MALIGNANCY DERIVED FROM
5 MESOTHELIUM; CARCINOMA AND SARCOMA ARE THE
6 TWO MALIGNANT TUMORS IN HUMANS. COMA COMES
7 FROM SURFACE OF ORGANS, LIKE THE SKIN.
8 SARCOMAS ARE SOLID ORGANISMS LIKE BONE,
9 MUSCLE, BLOOD VESSELS. ANGIOSARCOMA IS
10 DERIVED FROM BLOOD VESSELS.

11 Q. AND HIS CANCER APPARENTLY DEVELOPED
12 IN THE LIVER?

13 A. YES.

14 Q. WHEN YOU WERE CALLED IN TO SEE MR.
15 GRASSO, DID YOU PROVIDE ANY COURSE OF
16 TREATMENT FOR HIS CANCER?

17 A. YES, I DID.

18 Q. BEFORE WE GET TO THAT, IS
19 ANGIOSARCOMA OF THE LIVER A TYPE OF CANCER
20 WHICH CAN BE CURED UNDER KNOWN MEDICAL
21 METHODS?

22 A. ALMOST ALWAYS WHEN THEY OCCUR IN THE
23 LIVER, THERE INOPERABLE. ONE CAN'T REMOVE THE
24 ENTIRE LIVER. IN OTHER SITES, WHICH ARE RARE,
25 THEY CAN BE SURGICALLY REMOVED AND ARE

2110014

1 CURABLE. HIS SITUATION WAS NOT A CURABLE
2 SITUATION.

3 Q. DID YOU TELL MR. GRASSO ABOUT THE
4 SEVERITY OF HIS DISEASE?

5 A. YES.

6 Q. DID YOU TELL HIM THAT HIS CONDITION
7 WAS TERMINAL?

8 A. I TOLD HIM IT WAS POTENTIALLY FATAL.

9 Q. AND DO YOU RECALL WHEN YOU TOLD HIM
10 THAT?

11 A. I BELIEVE I TOLD HIM DURING THIS
12 HOSPITALIZATION WHERE I FIRST SAW HIM.

13 Q. WHAT WAS -- WHAT WAS MR. GRASSO'S
14 CONDITION, PHYSICAL CONDITION, AS HE
15 PROGRESSED THROUGH THAT SECOND
16 HOSPITALIZATION AT THE END OF AUGUST OF 1976?

17 A. WELL, HE HAD -- HIS MAJOR SYMPTOMS
18 WERE PAIN IN HIS LIVER, COUGHING UP BLOOD,
19 FEAR.

20 Q. YOU SAY FEAR?

21 A. OF DYING; AND ANGER THAT HE WAS
22 DYING.

23 Q. DID HE EXPRESS THOSE FEELINGS TO YOU?

24 A. YES.

25 Q. NOW, YOU MENTIONED THAT YOU STARTED

21110015

1 A COURSE OF TREATMENT FOR MR. GRASSO'S
2 ANGIOSARCOMA OF THE LIVER.

3 CAN YOU DESCRIBE WHAT TYPE OF
4 TREATMENT YOU ADMINISTERED TO HIM?

5 A. YES. BASIC. --

6 Q. WHAT -- I'M SORRY, GO AHEAD.

7 A. BASICALLY, WHEN HE WAS DIAGNOSIED,
8 WE HAD LIMITED ABILITY TO USE OF ACTIVE
9 CHEMOTHERAPEUTIC AGENTS. AND HE WAS INVOLVED
10 IN A STUDY THAT COMPARED GIVING ADRIAMYCIN OR
11 -- WHICH IS A CHEMOTHERAPEUTIC AGENT VERSUS
12 CYTOXAN, VINCRISTIN AND ACTINOMYCIN D.

13 Q. ARE THESE DRUGS ALL KNOWN AS
14 CHEMOTHERAPEUTIC DRUGS?

15 A. YES.

16 Q. WHAT IS CHEMOTHERAPY, HOW DOES IT
17 WORK AGAINST CANCER?

18 A. WELL, SOME CASES, WE DON'T KNOW WHY.
19 SOME SPECIFIC DRUGS DO. BUT IN GENERAL, IT'S
20 DESIGNED TO KILL GROWING CELLS AND HOPEFULLY
21 GET A PREFERENTIAL EFFECT OVER THE CELLS THAT
22 ARE MALIGNANT THAT ARE GROWING VERSUS THE
23 NORMAL CELLS THAT ARE GROWING IN THE BODY
24 THAT ARE NORMALLY REQUIRED FOR SURVIVAL.

25 Q. SO, THE CHEMOTHERAPEUTIC AGENTS HAVE

21110016

1 ANY EFFECTS ON OTHER PARTS OF THE PATIENTS
2 BODY IN ADDITION TO THE CANCEROUS TUMORS?

3 A. YES.

4 Q. WHAT TYPE OF EFFECTS DOES THE
5 CHEMOTHERAPY HAVE ON THE REST OF THE PATIENTS
6 BODY? WHAT ARE THE SIDE EFFECTS?

7 A. WELL, THE MOST SERIOUS MEDICAL SIDE
8 EFFECTS ARE SIDE EFFECTS UPON GROWING CELLS
9 THAT ARE NORMALLY REQUIRED. AND THE GROWING
10 CELLS THAT ARE NORMALLY REQUIRED ARE THE BONE
11 MARROW, WHICH MAKES RED CELLS AND WHITE CELLS
12 AND PLATELETS.

13 Q. THAT'S BLOOD CELLS?

14 A. YES. AND THEN SORE THROAT, SORE
15 MOUTH, G.I. TRACT, NAUSEA AND VOMITING, WHICH
16 MR. -- JOHN HAD A FAIR AMOUNT OF THAT;
17 HAIR LOSS.

18 Q. PATIENTS WILL LOSE ALL THEIR HAIR AS
19 A RESULT OF THE CHEMOTHERAPY?

20 A. THE ADRIAMYCIN, YES, AND TO A LESSER
21 EXTENT, THE CYTOXAN.

22 Q. DID MR. GRASSO LOSE ALL OF HIS HAIR?

23 A. YES, HE DID.

24 Q. HOW MANY TIMES AND HOW OFTEN WAS
25 CHEMOTHERAPY GIVEN TO MR. GRASSO WHILE HE WAS

2110017

1 HOSPITALIZED DURING THAT SECOND TIME IN 1976?

2 A. I BELIEVE HE RECEIVED IT ONCE DURING
3 THE HOSPITALIZATION. THE ADRIAMYCIN WAS GIVEN
4 EVERY THREE WEEKS.

5 Q. AFTER HE WAS DISCHARGED FROM THE
6 HOSPITAL THAT TIME, DID YOU CONTINUE TO SEE
7 MR. GRASSO?

8 A. YES.

9 Q. AND TREAT HIM?

10 A. YES.

11 Q. HOW OFTEN WAS THE CHEMOTHERAPY
12 ADMINISTERED AFTER HE GOT OUT OF THE HOSPITAL
13 IN AUGUST OF 1976?

14 A. WELL, THE REMAINDER OF HIS LIFE HE
15 CONTINUED ON CHEMOTHERAPY. THE AGENTS CHANGED.

16 Q. HOW OFTEN DID HE RECEIVE THE DOSAGE?

17 A. THE ADRIAMYCIN WAS EVERY THREE WEEKS
18 AND LATER WHEN HE WORSEND, HE RECEIVED
19 CYCLOPHOSLOMID, ADRIAMYCIN, VINCRISTIN. AND
20 DURING HIS LAST HOSPITALIZATION, HE RECEIVED
21 TWO OTHER AGENTS. THAT'S JUMPING AHEAD.

22 Q. DID YOU CONTINUE TO TREAT MR. GRASSO
23 UP UNTIL THE TIME OF HIS DEATH?

24 A. I DID.

25 Q. DURING THE COURSE OF YOUR TREATMENT

2110018

1 OF MR. GRASSO, DID HE EVER COMPLAIN OF PAIN
2 TO YOU RELATIVE TO HIS CONDITION?

3 A. YES.

4 Q. WHAT TYPE OF COMPLAINTS DID HE MAKE
5 TO YOU?

6 A. HE HAD FAIRLY CONSISTENT LIVER PAIN.
7 HE HAD --

8 Q. WHEN YOU SAY LIVER PAIN, WHAT DO YOU
9 MEAN BY LIVER PAIN?

10 A. IT'S A -- THE LIVER IN THE --
11 BETWEEN THE CHEST AND THE ABDOMEN AND IT'S
12 ON THE RIGHT SIDE. SO, IT CONSISTENT --

13 Q. PAIN ON THE RIGHT SIDE OF THE BELLY?

14 A. YES. SOMETIMES IT'S REFERRED AS
15 THAT THE SHOULDER, TOO.

16 Q. HE COMPLAINED OF THAT PAIN. DID HE
17 HAVE ANY OTHER COMPLAINTS OF PAIN?

18 A. THAT WAS HIS MAJOR PAIN COMPLAINT.

19 Q. DID YOU ADMINISTER OR PRESCRIBE ANY
20 MEDICATIONS FOR MR. GRASSO FOR THE PURPOSE OF
21 RELIEVING HIS PAIN?

22 A. YES.

23 Q. WHAT TYPES OF PAIN RELIEF
24 MEDICATIONS DID YOU PROVIDE TO HIM?

25 A. WELL, HE WAS -- HE WAS ON A

2110019

1 NARCOTIC CODEINE UNTIL -- I BELIEVE, UNTIL
2 DECEMBER. AND THEN HIS PAIN WORSENER AND HE
3 WAS ON PERIDIN, WHICH IS DEMEROL. AND LATER
4 --

5 Q. IS THAT A STRONGER PAIN RELIEF
6 MEDICATION THAN CODEINE?

7 A. YES. AND HE ALSO RECEIVED MORPHINE,
8 WHICH IS STRONGER YET.

9 Q. WERE THESE PAIN RELIEF MEDICATIONS
10 COMPLETELY EFFECTIVE IN RELIEVING HIS PAIN?

11 A. IN GENERAL, THEY HAD REASONABLE
12 CONTROL, I SUPPOSE. ALTHOUGH ONE ALWAYS HAS
13 PAIN. IT'S NEVER TOTAL RELIEF.

14 Q. YOU MENTIONED THAT MR. GRASSO
15 EXPRESSED FEAR AND ANGER TO YOU, AT LEAST AT
16 ONE POINT. DID HE EVER EXPRESS THOSE EMOTIONS
17 TO YOU AGAIN AS YOU WERE TREATING HIM UNTIL
18 THE TIME OF HIS DEATH.

19 A. NO, -- FEAR, CERTAINLY. -- YOU
20 KNOW, HUMAN REACTION, HE DIDN'T WISH TO DIE.
21 HE WAS AN ACTIVE, ENTHUSIASTIC PERSON THAT
22 WAS NOT LIKELY TO GIVE UP, BECOME PASSIVE.

23 Q. CAN YOU DESCRIBE HOW MR. GRASSO'S
24 ILLNESS PROGRESSED DURING THE MONTHS OF
25 SEPTEMBER, OCTOBER AND DECEMBER OF 1975?

21110020

1 A. WELL, HE HAD A CONTINUED
2 DETERIORATION OVER ALL HIS -- HE HAD SOME
3 RELIEF OF HIS PAIN WITH THE CHEMOTHERAPY
4 WHILE HE WAS GETTING THE ADRIAMYCIN UNTIL
5 DECEMBER. THE PULMONARY NODULES, THE SPREAD
6 OF THE CANCER HAD NOT CHANGED APPRECIABLY. SO,
7 HIS IMPROVEMENT WAS MARGINAL.

8 IN DECEMBER, HE FAILED
9 TOTALLY ON THE ADRIAMYCIN AND WAS SWITCHED TO
10 DIFFERENT CHEMOTHERAPY, WHICH WAS INEFFECTIVE.
11 AND FROM DECEMBER UNTIL HIS DEATH IN JANUARY,
12 HE DID PROGRESSIVELY WORSE, NO APPETITE, NO
13 STRENGTH, NO VIGOR, PAIN, SHORTNESS OF BREATH.
14 Q. DOCTOR, YOU HAD MENTIONED BEFORE
15 THAT CHEMOTHERAPY DRUGS THAT YOU ADMINISTERED
16 HAD EFFECTS UPON PARTS OF THE BODY THAT MAKE
17 OUR BLOOD CELLS. WAS MR. GRASSO'S BLOOD
18 CONSTANTLY MONITORED DURING THE TIME HE WAS
19 GIVEN CHEMOTHERAPY?

20 A. YES. THE MAJOR PROBLEM WE HAD WAS
21 WITH HIS RED CELL COUNT. HE LOST BLOOD
22 WHETHER HE COUGHED UP BLOOD. CHEMOTHERAPY
23 PREVENTED HIM FROM MAKING IT WELL AND HIS
24 DISEASE ALSO HAS AN EFFECT. AND HE WAS
25 CONSISTENTLY ANEMIC, WHICH WOULD MAKE HIS

2110021

1 SHORTNESS OF BREATH WORSE AND HE REQUIRED
2 MULTIPLE BLOOD TRANSFUSIONS.

3 Q. AND DID HE RECEIVE A CONSIDERABLE
4 NUMBER OF BLOOD TRANSFUSIONS DURING HIS
5 TREATMENT?

6 A. YES, HE DID.

7 Q. NOW, WAS MR. GRASSO REHOSPITALIZED
8 IN DECEMBER OF 1976?

9 A. YES, HE WAS.

10 Q. WHAT WAS THE DATE OF HIS FIRST
11 HOSPITALIZATION IN DECEMBER?

12 A. 12-23 TO 12-24.

13 Q. AND WHAT WAS HIS CONDITION UPON
14 ADMISSION AT THAT TIME?

15 A. HE WAS WORSE. HE WAS SEVERLY ANEMIC.
16 DURING THE HOSPITALIZATION, HE RECEIVED BLOOD
17 TRANSFUSIONS. HIS LIFE WAS LIMITED. HE WAS
18 DETERMINED TO GO HOME FOR CHRISTMAS.

19 Q. AFTER CHRISTMAS, WAS HE
20 REHOSPITALIZED AGAIN?

21 A. YES, HE WAS.

22 Q. AND WHEN WAS THAT?

23 A. IT WAS 12-29, 1976.

24 Q. AND THAT WAS HIS LAST
25 HOSPITALIZATION, WASN'T IT?

21110022

1 A. YES, IT WAS.

2 Q. DID HIS CONDITION CONTINUED TO
3 DETERIORATE AT THAT POINT?

4 A. YES, IT DID.

5 Q. DID HE EVER THE SAME TYPES OF
6 COMPLAINTS?

7 A. YES.

8 Q. WAS IT AT THIS POINT THAT HE BEGAN
9 TO RECEIVE MORPHINE FOR CONTROL OF PAIN?

10 A. YES.

11 Q. DURING THE COURSE OF THAT LAST
12 HOSPITALIZATION, WAS MR. GRASSO -- YOU SAW
13 MR. GRASSO, I PRESUME, ON A DAILY BASIS THEN?

14 A. YES.

15 Q. WAS HE CONSCIOUS FOR THE MOST PART?

16 A. YES. DROWSY AT TIMES, WEAK.

17 Q. HE WAS VERY WEAK?

18 WHEN DID HE DIE, DOCTOR?

19 A. JANUARY 8, 1977.

20 Q. WAS AN AUTOPSY PERFORMED, DOCTOR?

21 A. YES, IT WAS.

22 Q. AT JEFFERSON?

23 A. YES.

24 Q. WHAT DID THE AUTOPSY REPORT INDICATE
25 WAS THE CAUSE OF MR. GRASSO'S DEATH?

2110023

1 A. HE HAD MASSIVE INTRA-ABDOMINAL
2 HEMORRHAGE WITH ANGIOSARCOMA OF THE LIVER.

3 Q. WAS THE INTRA-ABDOMINAL HEMORRHAGE,
4 WAS THAT INTERNAL BLEEDING IN THE AREA OF THE
5 ABDOMEN?

6 A. YES, FROM THE LIVER.

7 Q. IT WAS FROM THE LIVER?

8 A. YEAH.

9 Q. WAS THAT AS A RESULT OF THE
10 ANGIOSARCOMA OF THE LIVER?

11 A. YES.

12 Q. DOCTOR, I'D LIKE YOU TO REFER TO THE
13 NARRATIVE SUMMARY RECORD IN THE SECOND
14 HOSPITALIZATION, THE ONE OF JULY, 1975.

15 DID YOU PREPARE THAT
16 NARRATIVE SUMMARY?

17 A. I DID.

18 Q. AND THERE IS A REFERENCE IN THAT
19 SUMMARY TO THE FACT, AMONG OTHERS, THAT MR.
20 GRASSO HAS HAD EXPOSURE TO A VARIETY ORGANIC
21 CHEMICALS AT PEDRICKTOWN, MARYLAND.
22 APPARENTLY, THERE WAS A VINYL CHLORIDE PLANT.

23 OF WHAT SIGNIFICANCE WAS IT
24 TO YOU THAT MR. GRASSO LIVED IN THE VICINITY
25 OF A VINYL CHLORIDE PLANT?

2110024

1 A. BECAUSE ANGIOSARCOMA IS A RARE TUMOR
2 AND THERE'S BEEN A LINK WITH VINYL CHLORIDE
3 EXPOSURE.

4 Q. DOCTOR, BASED UPON YOUR REVIEW OF
5 MR. GRASSO'S HOSPITAL RECORDS AND ALL OF THE
6 TESTS STUDIES THAT WERE DONE ON HIM AND YOUR
7 TREATMENTS OF HIS ILLNESS FOR APPROXIMATELY
8 FIVE MONTHS AND BASED UPON YOUR EXPERIENCE AS
9 A PHYSICIAN SPECIALIZING IN THE TREATMENT OF
10 CANCER, DO YOU HAVE AN OPINION, BASED UPON A
11 REASONABLE DEGREE OF MEDICAL PROBABILITY AS
12 TO WHETHER -- AS TO WHEN MR. GRASSO'S
13 ANGIOSARCOMA OF THE LIVER FIRST DEVELOPED?

14 A. I WOULD SUSPECT WITHIN THE LAST SIX
15 MONTHS -- AT THE EARLIEST SIX MONTHS PRIOR
16 TO HIS BRONCHOSCOPY. SO, I WOULD SUSPECT IT
17 DEVELOPED IN THE SAME YEAR, 1976, ALMOST
18 CERTAINLY. I BASE THAT --

19 Q. FOR MY OWN CLARIFICATION, DOCTOR,
20 ARE YOU SAYING THAT HIS TUMOR PROBLEM
21 DEVELOPED WITHIN A PERIOD SIX MONTHS FROM
22 WHEN HE FIRST STARTED COUGHING UP BLOOD IN
23 MAY OF 1976?

24 A. YES.

25 Q. WHAT IS THE BASIS FOR YOUR OPINION

21110025

1 THAT THE TUMOR DEVELOPED WITHIN THAT PERIOD
2 OF TIME?

3 A. BASICALLY, IT HAS TO DO WITH ITS
4 GROWTH RATE.

5 Q. CAN YOU EXPLAIN TO ME WHAT YOU MEAN
6 BY THAT?

7 A. WELL, IN JUNE OF 1976 WHEN HE HAD
8 HIS FIRST SYMPTOMS, HIS X-RAY WAS NEGATIVE, I
9 HAD SHOWED NO NODULES AND HIS X-RAY WAS NONE
10 REMARKABLE. HIS LIVER WAS NOT ENLARGED. BY
11 JULY, HE HAD CLEAR PULONARY TUMOR NODULES ON
12 HIS CHEST X-RAY AND HIS LIVER WAS
13 DRAMATICALLY ENLARGED.

14 Q. AND WHAT CUT FROM THE GROWTH RATE OF
15 A TUMOR. IS THIS KIND OF TIME MORE A RAPID
16 GROWING TUMOR AT ALL STAGES OF ITS LIFE OR
17 DOES IT GROW MORE RAPID AT THE BEGINNING OR
18 THE END?

19 A. THE EVIDENCE SUGGESTIONS THAT FROM
20 ANIMAL AND HUMAN EXPERIENCE THAT THEIR
21 GROWTHS RATE IS GREATEST AT THE BEGINNING AND
22 SLOWEST AT THE END.

23 Q. AND BASED -- YOU TREATED MR.
24 GRASSO AT THE END OF HIS DISEASE; IS THAT
25 CORRECT?

2110026

1 A. TO THE END, YES.

2 Q. TO THE END. AND DID YOU OBSERVE THE
3 GROWTH RATE OF HIS TUMOR DURING THE END
4 PERIODS OF HIS LIFE?

5 A. YES, IT SLOWED.

6 Q. IT SLOWED. BUT WOULD YOU
7 CHARACTERIZE IT AS A RAPID --

8 A. YES, VERY RAPID.

9 Q. VERY RAPID. AND DID THAT INDICATE TO
10 YOU THAT PRIOR TO THE TIME THAT HIS DISEASE
11 WAS FOUND THAT THE TUMOR WAS GROWING EVEN
12 MORE RAPIDLY?

13 A. YES.

14 Q. BASED UPON WHAT YOU JUST TOLD US?

15 A. YES.

16 Q. AND BASED UPON THAT, IT'S YOUR
17 OPINION THAT HIS TUMOR PROBABLY DEVELOPED
18 SOME TIME APPROXIMATELY SIX MONTHS BEFORE HE
19 FIRST COUGHED UP BLOOD IN MAY OF 1975?

20 A. YES.

21 Q. DOCTOR, IN YOUR PRACTICE OF ONCOLOGY
22 AND YOUR TEACHING OF ONCOLOGY TO -- AT THE
23 JEFFERSON MEDICAL SCHOOL, ARE YOU CONCERNED
24 AT ALL WITH THE CAUSES OF CANCER?

25 A. YES.

2110027

1 Q. WHY ARE YOU CONCERNED WITH THE
2 CAUSES OF CANCER AS AN ONCOLOGIST?

3 A. WELL, PART OF THE JOB IS NOT JUST
4 INDIVIDUAL PATIENTS. IT'S AN ATTEMPT TO
5 PREVENT CANCER AS MUCH AS POSSIBLE. OFF TIMES
6 WE HAVE PATIENTS WE CURE WITH DRUGS WHOM
7 WE'VE -- WE GIVE CANCER TO BY GIVING THEM
8 THE CHEMO THERAPY, BECAUSE OFF TIMES, THE
9 AGENTS ARE CARCINOGEN. SO, NATURALLY, WE HAVE
10 AN INTEREST.

11 Q. WOULD YOU CONSIDER YOURSELF AS ONE
12 WHO KEEPS A BREAST OF SCIENTIFIC DEVELOPMENTS
13 IN THE AREA OF CANCER CAUSATION?

14 A. YES.

15 Q. DOCTOR, THERE'S BEEN A CONSIDERABLE
16 AMOUNT OF TESTIMONY SO FAR IN THIS CASE
17 REGARDING WHERE MR. GRASSO LIVED, ITS
18 PROXIMITY OR ITS RELATIONSHIP TO THE GOODRICH
19 PVC PLANT. I'M GOING A -- YOU WEREN'T HERE
20 TO HEAR THAT TESTIMONY, SO I'M GOING TO ASK
21 YOU TO ASSUME CERTAIN FACTS AND THEN I'M
22 GOING TO ASK YOU FOR AN OPINION.

23 ~~DOCTOR, I ASK YOU TO ASSUME~~
24 ~~THAT JOHN GRASSO WAS BORN IN DECEMBER OF 1927~~
25 ~~AND THAT PRIOR TO HIS LAST ILLNESS, HE HAD NO~~

2110028

1 ~~MEDICAL HISTORY OF CANCER OR LIVER DISEASE;~~

2 AND THAT HIS PARENTS AND BROTHERS AND SISTERS
3 ALSO HAD NO PRIOR MEDICAL HISTORY OF CANCER
4 OR LIVER DISEASE.

5 I FURTHER ASK YOU TO ASSUME
6 THAT MR. GRASSO BEGAN WORKING AT THE DUPONT
7 CHAMBERS WORKS IN DEEPWATER, NEW JERSEY IN
8 APPROXIMATELY 1946 OR 1947; AND THAT EXCEPT
9 FOR PERIODS DURING WHICH HE WAS LAID OFF, HE
10 WORKED AT THAT FACILITY UNTIL MAY OF 1976.

11 I ASK YOU TO FURTHER ASSUME
12 THAT IN HIS EMPLOYMENT AT DUPONT, HE WAS NOT
13 EXPOSED TO ARSENIC OR ARSENIC COMPOUND OR TO
14 VINYL CHLORIDE; AND THAT DURING THE PERIODS
15 WHEN HE WAS LAID OFF FROM DUPONT FACILITY, HE
16 HELD VARIOUS JOBS RANGING FROM A GROCERY
17 CLERK, AN AUTO PARTS SALESMAN, A GAS STATION
18 ATTENDANT, AS A CONSTRUCTION LABORER ON A
19 HIGHWAY JOB, AS AN AUTO MECHANIC, A BUS
20 DRIVER AND A SHEET METAL WORKER; AND IN
21 CONNECTION WITH HIS POTENTIAL EXPOSURE TO
22 VINYL CHLORIDE IN THE AREA OF HIS HOME, I ASK
23 YOU TO ASSUME THAT DURING THE YEARS OF 1970
24 THROUGH 1975, MR. GRASSO WAS AWAY FROM HIS
25 HOME APPROXIMATELY 50 TO 55 HOURS PER WEEK,

2110029

1 AND THAT -- AND THAT, THEREFORE, HE WAS
2 HOME OR IN THE AREA OF HIS HOUSE
3 APPROXIMATELY 50 PERCENT OF THE TIME FOR
4 THOSE YEARS. IN ADDITION TO BEING HOME 50
5 PERCENT OF THE TIME GENERALLY, DURING THE
6 PERIOD OF FEBRUARY, 1973 TO DECEMBER OF 1973,
7 MR. GRASSO WAS AT HOME OR IN THE AREA OF HIS
8 HOME CONTINUOUSLY AS HE WAS RECUPERATING FROM
9 A CORONARY ARTERY BYPASS SURGERY.

10 NOW, I FURTHER ASK YOU TO
11 ASSUME THAT DURING THE PERIOD FROM MARCH OF
12 1970 TO DECEMBER OF 1975, THAT MR. GRASSO'S
13 HOME WAS LOCATED APPROXIMATELY 1.7 MILES FROM
14 A POLYVINYL CHLORIDE PLANT OPERATED BY THE
15 B.F. GOODRICH COMPANY AND THAT DURING THE
16 YEARS IN QUESTION, IT HAS BEEN ESTIMATED THAT
17 THE GOODRICH PLANT EMITTED BETWEEN 1.4 AND
18 OVER 4.3 MILLION POUNDS OF VINYL CHLORIDE
19 INTO THE ATMOSPHERE ON AN ANNUAL BASIS; AND
20 IT HAS ALSO BEEN ESTIMATED THAT DURING THE
21 YEARS, THE PVC PLANT ALSO EMITTED INTO THE
22 ATMOSPHERE DUST PARTICLES THAT RANGED IN SIZE
23 FROM ONE TO TEN MICRONS AND THAT SUCH PVC
24 DUST PARTICLES CONTAINED IN THEM ENTRAPPED
25 LEVELS OF VINYL CHLORIDE THAT WOULD SLOWLY

21110030

1 DISSIPATE AND THOSE LEVELS OF ENLARGED VINYL
2 CHLORIDE RANGED FROM ANYWHERE FROM 40 PARTS
3 PER MILLION UP TO AN ESTIMATED 2,000 PARTS
4 PER MILLION.

5 IT HAS BEEN FURTHER ESTIMATED
6 THAT DURING THE YEARS 1970 THROUGH 1975, THAT
7 THE LEVELS OF VINYL CHLORIDE IN THE AIR
8 AROUND THE GRASSO HOME RANGED FROM A LOW OF
9 1.1 PARTS PER MILLION UP TO 28 PARTS PER
10 MILLION FOR A TOTAL OF 1,508 HOURS DURING
11 THAT FIVE AND A HALF, SIX YEAR PERIOD; AND
12 THAT DURING THOSE YEARS, IN ADDITION TO THE
13 RANGE OF EXPOSURES AT THAT TIME, DURING A
14 NUMBER OF HAZY POLLUTION DAYS, THAT THE
15 LEVELS OF EXPOSURE IN THE AREA OF GRASSO HOME
16 RANGED AS HIGH AS FROM TEN TO 90 PARTS PER
17 MILLION; AND FINALLY IN ADDITION TO ALL OF
18 THAT, I ASK YOU TO ASSUME THAT DURING THE
19 PERIOD FROM MARCH OF 1970 TO DECEMBER OF 1975,
20 THERE WERE PERIODS DURING WHICH SHORT PERIODS
21 OF EXPOSURE OF VINYL CHLORIDE IN THE AREA OF
22 THE GRASSO HOME, RANGING FROM FIVE TO FIFTEEN
23 MINUTES WOULD HAVE OCCURRED IN LEVELS UP TO
24 SEVERAL HUNDRED PARTS PER MILLION.

25 ~~NOT, BUT FOR, BASED UPON THOSE~~

2110031

1 ~~FACTS AND BASED UPON YOUR KNOWLEDGE OF MR.~~
2 ~~GRASSO'S CONDITION AND YOUR TREATMENTS OF HIS~~
3 ~~CONDITION AND BASED UPON YOUR EXPERIENCE AND~~
4 ~~EXPERTISE AS A PHYSICIAN SPECIALIZING IN THE~~
5 ~~TREATMENT OF CANCER, DO YOU HAVE AN OPINION~~
6 ~~AS TO WHAT MAY HAVE CAUSED MR. GRASSO'S~~
7 ~~AN OPINION WITHIN A REASONABLE SLIVER OF~~
8 ~~MEDICAL PROBABILITY AS TO WHAT CAUSED MR.~~
9 ~~GRASSO'S ANGIOSARCOMA OF THE LIVER?~~
10 A. ~~YES, I DO.~~

11 MR. RENNEISEN: YOUR HONOR, I
12 OBJECT TO THE QUESTION. THERE IS NO EVIDENCE
13 WHATSOEVER THAT IT IS DUST PARTICLES THAT MAY
14 HAVE BEEN EMITTED FROM THE PLANT REACHED THE
15 GRASSO HOME. THERE'S BEEN TESTIMONY AS TO
16 DUST AND IT WAS WHITE, BUT THERE IS NO
17 TESTIMONY THAT DUST WAS POLYVINYL CHLORIDE.

18 FURTHERMORE, ANY ESTIMATES
19 MADE BY THE WITNESSES CONCERNING THE VINYL
20 CHLORIDE CONTAINED IN THE DUST IS SO
21 SPECULATIVE BECAUSE IT DEPENDS ON THE AGE AND
22 THE DUST AND SO FORTH, THAT I THINK THAT PART
23 OF THE QUESTION CLEARLY IS ADMISSIBLE.

24 MR. VASSALOTTI: YOUR HONOR,
25 FIRST WITH RESPECT TO THE ADMISSION OF DUST

2110032

1 AND THE TRANSPORT TO THE AREA OF THE GRASSO
2 HOME, PROFESSOR DAVIDSON TESTIFIED THAT THE
3 PLANT WOULD CAUSE EMISSION OF PVC DUST
4 PARTICLES AND SPECIFICALLY DUST PARTICLES
5 WITHIN THE RANGE OF ONE TO TEN MICRONS, AS HE
6 DESCRIBED IT. PROFESSOR DAVIDSON -- I'M
7 SORRY, PROFESSOR PESKIN ALSO TESTIFIED THAT
8 PARTICLE SIZES OF PVC DUST IN THE RANGE OF
9 ONE TO TEN MICRONS WOULD BE CARRIED IN THE
10 ATMOSPHERE IN A SIMILAR MANNER TO THE VINYL
11 CHLORIDE GAS TO WHICH HE MODEL HAD -- AND I
12 RECALL HIS TESTIMONY SPECIFICALLY THAT THOSE
13 MATERIALS WOULD REACH THE AREA OF THE GRASSO
14 HOME UNDER THE WIND CONDITIONS THAT HE
15 DESCRIBED.

16 IN ADDITION, WE HAVE THE
17 OBSERVATIONS OF MRS. GRASSO AND HER SON
18 CONCERNING THE WHITE DUST AND I --

19 THE COURT: I'LL OVERRULE THE
20 OBJECTION. I'LL ALLOW IT. LADIES AND
21 GENTLEMEN, IT'S YOUR RECOLLECTION OF THE
22 TESTIMONY, NOT WHAT COUNSEL MAY SAY AS THEIR
23 RECOLLECTION. IT'S YOUR RECOLLECTION OF THE
24 TESTIMONY. YOU MAY PROCEED.

25 BY MR. VASSALOTTI:

2110033

1 Q. DOCTOR, WHAT IS YOUR OPINION
2 REGARDING THE CAUSE OF MR. GRASSO'S
3 ANGIOSARCOMA OF THE LIVER?

4 A. VINYL CHLORIDE.

5 Q. AND IN YOUR OPINION, ARE YOU BASING
6 THAT UPON THE VINYL CHLORIDE EXPOSURE LEVELS
7 IN WHICH I HAVE JUST GIVEN YOU IN THE
8 HYPOTHETICAL SITUATION THAT HE RECEIVED IN
9 THE AREA OF HIS HOME?

10 A. YES.

11 Q. DOCTOR, THERE ARE OTHER -- ARE
12 THERE OTHER KNOWN CAUSES OF ANGIOSARCOMA OF
13 THE LIVER?

14 A. YES.

15 Q. WHAT ARE IT IS OTHER KNOWN CAUSES,
16 TO YOUR KNOWLEDGE?

17 A. ARSENIC, ARSENIC COMPOUNDS AND
18 THOROTRAST.

19 Q. IS THAT THOROTRAST?

20 A. YES.

21 Q. IN MY HYPOTHETICAL FACT SITUATION,
22 DOCTOR, I DIDN'T MENTION TO YOU THOROTRAST.
23 BUT LET ME ADD FURTHER THAT THERE IS NO
24 KNOWLEDGE THAT MR. GRASSO -- NO ONE --
25 STRIKE THAT. THERE'S NO EVIDENCE THAT MR.

2110034

1 GRASSO HAD ANY DIAGNOSTIC X-RAYS IN WHICH A
2 DIE OR RADIOACTIVE DIE WAS USED.

3 WHAT IS THOROTRAST USED FOR
4 OR WHAT WAS IT USED FOR?

5 A. IT WAS A RADIOACTIVE DYE THAT WAS
6 USED FOR BLOOD FLOW STUDIES TO -- FOR
7 X-RAYS, ARTERIALGRAMS.

8 Q. AND HOW LONG HAS IT BEEN SINCE THAT
9 MATERIALS BEEN USED FOR THAT PURPOSE?

10 A. I SUSPECT IT'S BEEN -- IT WAS
11 KNOWN TO CAUSE DIFFICULTY IN THE THIRTIES AND
12 ITS BEEN DISCONTINUED.

13 Q. DOCTOR LAUCIUS, YOU'VE TESTIFIED
14 THAT VINYL CHLORIDE IS A KNOWN CAUSE OF
15 ANGIOSARCOMA OF THE LIVER?

16 IF A HUNDRED PEOPLE WERE
17 EXPOSED TO VINYL CHLORIDE IN A GIVEN
18 CONCENTRATION AT ONE TIME, WOULD YOU EXPECT
19 EVERYONE TO DEVELOP CANCER?

20 A. NO.

21 Q. WHY IS IT THAT SOME PEOPLE ARE
22 SUSCEPTIBLE OR DEVELOP CANCER AS A RESULT TO
23 EXPOSURE TO CANCER CAUSING MATERIALS AND
24 OTHER PEOPLE DON'T?

25 A. THEORY OR EVIDENCE?

2110035

1 Q. WELL, DOES ANYONE NO INFORMATION FOR
2 SURE?

3 A. IT'S UNKNOWN.

4 Q. IS IT KNOWN FOR SURE THOUGH THAT NOT
5 EVERYONE REACTS THE SAME WAY TO A CANCER
6 CAUSING MATERIAL?

7 A. YES.

8 Q. WHY IS IT THAT MR. GRASSO WOULD HAVE
9 DEVELOPED ANGIOSARCOMA OF THE LIVER AND MRS.
10 GRASSO HAS NOT?

11 A. ONE SUSPECTS CHANCE, CHANCE EVENT IS
12 A POSSIBILITY.

13 Q. DOES IT HAVE ANYTHING TO DO WITH THE
14 SUSCEPTIBILITY OF INDIVIDUALS TO -- FOR THE
15 SENSITIZATION OF INDIVIDUALS TO THESE
16 PARTICULAR TYPE OF CANCER MATERIALS?

17 A. THAT'S YOUR UNIFYING HYPOTHESIS FROM
18 ANIMALS.

19 Q. DOCTOR, TO YOUR KNOWLEDGE, HAS ANY
20 LEVEL OF EXPOSURE TO VINYL CHLORIDE BEEN
21 ESTABLISHED AS BEING SAFE?

22 A. NO.

23 MR. VASSALOTTI: ALL RIGHT.

24 THANK YOU DOCTOR, I HAVE NO FURTHER QUESTIONS.

25 THE COURT: CROSS-EXAMINE.

211A0036

1 CROSS EXAMINATION BY MR. RENNEISEN.

2 Q. DOCTOR, HAVE YOU WRITTEN ANY PAPERS
3 CONCERNING VINYL CHLORIDE ITS RELATIONSHIP TO
4 ANGIOSARCOMA?

5 A. NO, I HAVE NOT.

6 Q. HAVE YOU MADE ANY STUDIES OF VINYL
7 CHLORIDE IN ITS EFFECT AND ITS RELATIONSHIP
8 TO ANGIOSARCOMA?

9 A. WELL, THIS MAN, JOHN I DID -- I
10 DON'T KNOW WHETHER IT'S A STUDY. I HOPE TO
11 INITIATE ONE. I DID CALL, WHEN I FOUND OUT HE
12 HAD AN ANGIOSARCOMA, I DID CALL THE
13 CARCINOGENESIS BRANCH OF THIS COMMUNICABLE
14 DISEASE CENTER.

15 Q. IS THAT IN ATLANTA, GEORGIA?

16 A. YES.

17 Q. YOU HAD SOME CORRESPONDENCE WITH
18 DOCTOR FAULK, DID YOU NOT?

19 A. HE NEVER RETURNED ANY OF MY CALLS. I
20 FOUND OUT ABOUT THE SUBSEQUENTLY, I GUESS
21 THROUGH PLAINTIFFS ATTORNEY.

22 Q. YOU ARE -- YOU ARE FAMILIAR WITH
23 HENRY FAULK, ARE YOU NOT?

24 A. YES, I AM. HE'S THE PERSON I CALLED.

25 Q. AND HE IS ASSOCIATED WITH THE CENTER

21110037

1 FOR DISEASE CONTROL IN ATLANTA, GEORGIA, IS
2 HE NOT?

3 A. YES, HE IS.

4 Q. HAVE YOU READ ARTICLES THAT HE'S
5 WRITTEN ABOUT VINYL CHLORIDE IN CANCER?

6 A. YES, I HAVE.

7 Q. ARE YOU FAMILIAR WITH AN ARTICLE IN
8 THE LANCET, L-A-N-C-E-T, DATED NOVEMBER 24,
9 1979 BY DOCTOR FAULK DISCUSSING ANOTHER CAUSE
10 OF VINYL CHLORIDE?

11 THE COURT: ANOTHER CAUSE OF
12 VINYL CHLORIDE.

13 BY MR. RENNEISEN:

14 Q. I'M SORRY, CAUSE OF ANGIOSARCOMA.

15 A. UM-HUM, YES, I AM.

16 Q. ARE YOU FAMILIAR WITH THAT ARTICLE?

17 A. UM-HUM.

18 Q. AND THAT ARTICLE DISCUSSES A FOURTH
19 KNOWN CAUSE OF ANGIOSARCOMA, DOES IT NOT?

20 A. YES, IT DOES.

21 Q. AND WHAT IS THAT FORTH KNOWN CAUSE?

22 A. ANDROGENIC ANABOLIC STEROIDS.

23 Q. AND DOES THAT ARTICLE SAY FROM A
24 GROUP OF CASES STUDIED BY DOCTOR FAULK AND
25 HIS ASSOCIATE WHAT'S THAT APPROXIMATELY 25

21110038

1 PERCENT OF THE CAUSES OF ANGIOSARCOMA WERE
2 KNOWN AND, THEREFORE, APPROXIMATELY 75
3 PERCENT WOULD BE UNKNOWN?

4 A. YES.

5 Q. DO YOU AGREE THAT MANY CAUSES OF
6 ANGIOSARCOMA OR -- MANY CASES OF
7 ANGIOSARCOMA ARE OF UNKNOWN ORIGIN?

8 A. WELL, THERE AREN'T THAT MANY CASES.

9 Q. HOW MANY CASES ARE THERE A YEAR?

10 A. I'M NOT AWARE, BUT I THINK IN 1976
11 WHEN HE WAS DESCRIBED, I THINK THERE WERE 50
12 IN A YEAR IN THE UNITED STATES.

13 Q. IN THIS ARTICLE, DOCTOR FAULK IS
14 STUDYING ANGIOSARCOMA DATA REPORTED FROM 1954
15 TO 1974 AND HE REPORTED 168 SUCH DEATHS AND
16 HE'S CONCLUDED 22 WERE ASSOCIATED WITH
17 PREVIOUSLY KNOWN CAUSES, VINYL CHLORIDE,
18 THOROTRAST AND ARSENIC AND 3.1 WITH THE
19 STEROID THAT YOU MENTIONED, WHICH WOULD MEAN
20 75 PERCENT B.F. UNKNOWN CAUSES, IS THAT TRUE?

21 A. THAT'S WHAT HIS -- THAT'S WHAT HE
22 STATES.

23 Q. AND HE IS CONSIDERED TO BE AN
24 AUTHORITY IN THE FIELD, IS HE NOT, OF CANCER
25 AND THE CAUSES OF CANCER?

21110039

1 A. YES, HE IS.

2 Q. HAVE YOU DONE -- FROM YOUR
3 LITERATURE THAT YOU'VE READ -- AND I THINK
4 YOU SAID YOU KEEP UP TO DATE, DO YOU HAVE AN
5 OPINION AS TO WHAT THE LATENCY PERIOD IS FOR
6 ANGIOSARCOMA? WELL LET'S FIRST DEFINE LATENCY
7 PERIOD. HOW DO YOU DEFINE LATENCY PERIOD?

8 A. LATENCY IN CARCINOGENESIS?

9 Q. YES.

10 A. HAS TO DO WITH TIME TO EXPOSURE TO A
11 CARCINOGEN TO THE DEVELOPMENT OF CANCER.

12 Q. FROM THE FIRST EXPOSURE TO
13 DEVELOPMENT?

14 A. RIGHT.

15 Q. FROM YOUR LITERATURE, STUDIES, DO
16 YOU KNOW WHAT THE LATENCY PERIOD IS FOR
17 ANGIOSARCOMA?

18 A. GENERALLY, THE CASES THAT HAVE BEEN
19 DESCRIBED HAVE BEEN LATENCY PERIODS OF TEN TO
20 20 TO 30 YEARS.

21 Q. AND WITH RESPECT TO MR. GRASSO, IT
22 WOULD BE LESS THAN SIX YEARS, WOULD IT NOT?

23 A. YES, IT WOULD.

24 Q. YOU MENTIONED THAT ARSENIC WAS A
25 CAUSE OF ANGIOSARCOMA; IS THAT CORRECT?

21110040

1 A. YES.

2 Q. DO YOU KNOW THAT ARSENIC IS USED IN
3 INSECTICIDES?

4 A. YES.

5 Q. DO YOU KNOW THAT ARSENIC
6 INSECTICIDES CONTAIN ^{or} ARSENIC WERE USED OVER A
7 LONG PERIOD OF TIME IN SALEM COUNTY ON THE
8 FARMS DOWN THERE?

9 A. I DON'T KNOW, OF COURSE.

10 MR. VASSALOTTI: YOUR HONOR,
11 MAY WE APPROACH THE BENCH?

12 A. YES, SIR.

13 (SIDE BAR DISCUSSION ON RECORD)

14 MR. VASSALOTTI: YOUR HONOR, I
15 ASK FOR AN OFFER OF PROOF FROM THE DEFENDANT
16 AT THIS POINT WITH REGARD TO THE REPEATED
17 STATEMENTS THAT ARSENIC IS USED IN
18 INSECTICIDES AND WAS USED HEAVILY IN THE
19 SALEM COUNTY AREA.

20 THE COURT: LET THE RECORD
21 REFLECT THAT MR. RENNEISEN HAS GIVEN TO
22 COUNSEL FOR THE PLAINTIFF SOME DOCUMENT TO
23 READ.

24 MR. RENNEISEN: IT'S A STUDY
25 PERFORMED OF THE NEW JERSEY AGRICULTURE

2110041

1 DEPARTMENT, I BELIEVE.

2 MR. VASSALOTTI: THERE'S NO

3 -- THIS IS AN EXTRACTION OF SOMETHING

4 WITHOUT ANY TITLE, AUTHOR, DATE.

5 MR. RENNEISEN: YOUR HONOR, I
6 WOULD LIKE TO ASK JOHN BULEY, MY ASSOCIATE TO
7 COME UP AND IDENTIFY ON THE RECORD WHERE THIS
8 DOCUMENT CAME FROM. SINCE MR. VASSALOTTI DOES
9 NOT ACCEPT IT AS AN OFFICIAL DOCUMENT. I CAN
10 IDENTIFY WHAT IT IS, BUT I'D RATHER IT BE
11 CORRECT IN STATING WHERE IT CAME FROM.

12 THE COURT: WELL, I'M SORT OF
13 HESITATE SOMEBODY SITTING IN THE AUDIENCE.

14 MR. RENNEISEN: HE'S FROM MY
15 OFFICE. I CAN PUT IT ON THE RECORD. WE GOT
16 THIS DOCUMENT FROM THE STATE OF NEW JERSEY. I
17 CAN FIND IT IN MY NOTES, BUT I DON'T WANT TO
18 BE INACCURATE. THERE IS NO DOUBT THAT ARSENIC
19 WAS USED IN SALEM COUNTY IN OLDSMANS TOWNSHIP.

20 MR. VASSALOTTI: YOUR HONOR,
21 THIS APPEARS TO BE --

22 THE COURT: LET ME SEE IT.

23 MR. VASSALOTTI: 35 PAGE
24 DOCUMENT OR 25 PAGE DOCUMENT.

25 THE COURT: WHY DON'T YOU

21110042

1 CONFER WITH HIM AND GET THE -- YOU MAY
2 CONFER WITH HIM AND GET THE CORRECT TITLE TO
3 THIS.

4 MR. RENNEISEN: YOUR HONOR,
5 THIS DOCUMENT WAS PREPARED BY THE PESTICIDE
6 PROJECT -- ~~DEPARTMENT~~ -- NEW JERSEY
7 DEPARTMENT OF HEALTH, TRENTON, NEW JERSEY. IT
8 WAS SUPPLIED TO JOHN BULEY FROM MY OFFICE
9 FROM DOCTOR TERRY SCHULTZ, S-C-H-U-L-T-Z, WHO
10 IS EMPLOYED BY THE NEW JERSEY DEPARTMENT OF
11 HEALTH.

12 MR. VASSALOTTI: JUDGE, I'M
13 AT A COMPLETE LOSS. ARE YOU GOING TO OFFER
14 THAT?

15 MR. RENNEISEN: IF YOUR
16 WITNESS CONTINUES TO DENY THAT THEY
17 CONSIDERED ARSENIC AS A CAUSE, THEN AS
18 REBUTTAL, I'LL OFFER THAT INTO EVIDENCE. IF
19 YOUR WITNESS ADMITS THAT ARSENIC IS A
20 POSSIBLE CAUSE AND HE WAS EXPOSED TO ARSENIC,
21 THEN I WON'T OFFER IT.

22 THE COURT: WELL, WHAT'S YOUR
23 POSITION RIGHT NOW? HE HAS IDENTIFIED THE
24 DOCUMENT WHICH HE'S USING FOR PURPOSE OF
25 CROSS-EXAMINATION, WHICH HE -- NOW --

2110043

1 MR. VASSALOTTI: I WOULD
2 OBJECT TO HIM USING THAT DOCUMENT AT THIS
3 POINT TO CROSS EXAMINE THE WITNESSES.

4 I WOULD LIKE A COPY OF THE
5 DOCUMENT. THE REASON I WOULD OBJECT TO HIM
6 USING THE DOCUMENT IS BECAUSE WE DON'T EVEN
7 KNOW WHAT IT IS. BUT MORE SO THAN THAT, IF HE
8 WANTS TO QUESTION THE WITNESS REGARDING
9 POSSIBLE ARSENIC EXPOSURES, FINE. BUT I WOULD
10 -- I WOULD NOT WANT THAT DOCUMENT USED TO
11 CROSS EXAMINE THIS WITNESSES.

12 MR. RENNEISEN: RIGHT NOW HE
13 SAID HE DOESN'T KNOW.

14 THE COURT: THE POINT IS THIS:
15 HE HAS A RIGHT TO UTILIZE A DOCUMENT ASKING
16 QUESTIONS, BUT, OF COURSE, YOU'VE GOT TO
17 IDENTIFY THE DOCUMENT AND ASK SOMEBODY IF
18 HE'S FAMILIAR WITH THE DOCUMENT.

19 MR. RENNEISEN: I SAID DO YOU
20 KNOW WHETHER OR NOT ARSENIC WAS USED. AND HE
21 SAID, NO, HE DIDN'T NO, AND THAT'S THE END
22 OF IT. THE NEXT QUESTION, WAS THAT USED, DID
23 YOU MAKE ANY EFFORT TO DETERMINE WHETHER
24 ARSENIC WAS USED.

25 THE COURT: THAT'S THE END

2110044

1 OF THAT. IF HE WANTS TO -- IF HE'S CROSS
2 EXAMINED, GET AN EXPERT BASED ON THAT
3 DOCUMENT, HE'S GOING TO DOCUMENT ASSESS A
4 WITNESS, WHETHER HE CONSIDERS THAT AN
5 AUTHORITATIVE SOURCE, THAT WHOLE BIT HAS GOT
6 TO BE GOTTEN INTO.

7 MR. RENNEISEN: THAT'S TRUE,
8 BUT I HAVEN'T GOTTEN TO THAT POINT.

9 (THE FOLLOWING TAKES PLACE IN OPEN COURT.)

10 BY MR. VASSALOTTI:

11 Q. DOCTOR, I WAS ASKING YOU WHETHER OR
12 NOT YOU KNEW IF ARSENIC INSECTICIDES HAVE
13 BEEN USED IN SALEM COUNTY IN THE AREA WHERE
14 MR. GRASSO LIVED.

15 A. I OBVIOUSLY DON'T KNOW.

16 Q. DID YOU MAKE ANY EFFORTS TO FIND OUT?

17 A. NO, I DIDN'T.

18 Q. FOR HOW LONG MEDICALLY HAS THE
19 DISEASE OF ANGIOSARCOMA OF THE LIVER BEEN
20 KNOWN AND IDENTIFIED?

21 A. I CAN'T ANSWER THAT.

22 Q. WELL, IS IT -- IS IT A SHORT
23 PERIOD OF TIME, TEN YEARS, ARE WE TALKING
24 ABOUT 50 YEARS OR A HUNDRED YEARS?

25 A. I SUSPECT IT'S BEEN SINCE PATHOLOGY

2110045

1 STARTED, PERHAPS 80, 90 YEARS WOULD BE MORE
2 LIKELY.

3 Q. SO THAT ANGIOSARCOMA OF THE LIVER
4 EXISTED BEFORE THERE WAS VINYL CHLORIDE OR
5 THOROTRAST, ISN'T THAT TRUE?

6 A. THAT'S CORRECT, PROBABLY CORRECT.

7 Q. HAVE YOU DONE ANY STUDIES CONCERNING
8 -- STRIKE THAT QUESTION.

9 ARE YOU FAMILIAR WITH THE
10 LITERATURE WHICH DISCUSSES THE CASES OF
11 ANGIOSARCOMA WHICH THE MEDICAL PROFESSION HAS
12 ATTRIBUTED TO VINYL CHLORIDE?

13 A. I'VE READ SOME OF THE ARTICLES, YES.

14 Q. AND GENERALLY SPEAKING, DO YOU KNOW
15 WHAT JOB -- WHAT TYPE OF WORK THE MEN HAD
16 WHO GOT ANGIOSARCOMA WHO WERE REVIEWED AND
17 DISCUSSED IN THOSE ARTICLES?

18 A. YES.

19 Q. WHAT KIND OF JOBS DID THEY HAVE?

20 A. GENERALLY THE ONES THAT WERE FIRST
21 LINKED HAD HIGH EXPOSURE JOBS WORKING ON THE
22 PLANT, CLEANING OUT THE PLANT.

23 Q. THEY WORKED ON PVC PLANT, DID THEY
24 NOT?

25 A. YES.

21110046

1 Q. AND THEY WERE PEOPLE WHO WITHIN THAT
2 CATEGORY HAD HIGH EXPOSURE, DID THEY NOT?

3 A. YES, THEY DID.

4 Q. IS IT TRUE THAT THEY HAD EXPOSURES
5 FOR -- WELL, DO YOU KNOW THE LENGTH OF
6 THEIR EXPOSURES?

7 A. WELL, SOME OF THEM HAD EXPOSURES FOR
8 VERY LONG LENGTHS OF TIME.

9 Q. DO YOU HAVE ANY -- DO YOU HAVE ANY
10 KNOWLEDGE AS TO THE DEGREES OR THE
11 CONCENTRATION TO WHICH THOSE GENTLEMEN WERE
12 EXPOSED?

13 A. THEY WERE EXCEEDINGLY HIGH, HUNDREDS
14 OF PARTS PER MILLION.

15 Q. MUCH HIGHER THAN MR. GRASSO'S; IS
16 THAT CORRECT?

17 A. YES.

18 Q. WHAT -- WHAT IS YOUR REASON FOR
19 DECIDING THAT VINYL CHLORIDE IS THE CAUSE

20 -- WHAT IS YOUR REASON OR REASONS FOR
21 DECIDING THAT VINYL CHLORIDE IS THE CAUSE OF
22 THE ANGIOSARCOMA THAT MR. GRASSO HAD?

23 A. WELL, I SUSPECT I WAS LOOKING FOR A
24 CAUSE OF CARCINOGENS. I TOLD DOCTOR NEELD. HE
25 TOLD ME THERE WAS A B. F. GOODRICH. I LOOKED

2110047

1 IT UP IN CHEMICAL ENGINEERING NEWS; THERE
2 WAS. I CALLED THE COMMUNICABLE DISEASE CENTER.
3 THAT THEY HAD A POTENTIAL EIPDEMOLOGICAL
4 PROBLEM THERE. I SUSPECT IT WAS HYPOTHESIS,
5 WHERE IS HIS EXPOSURE, FINDING AN EXPOSURE
6 AND ATTRIBUTION; IS IT MERE COINCIDENCE THAT
7 THE ONLY CASE OF VINYL CHLORIDE -- WELL, I
8 TAKE IT BACK. THE ONLY CASE OF ANGIOSARCOMA I
9 SEE AND MY PARTNERS SEEN IN TEN YEARS HAPPENS
10 TO BE THE PERSON WHO LIVES NEXT TO A VINYL
11 CHLORIDE PLANT. THAT'S ONE WAY OF LOOKING AT
12 IT.

13 Q. OF COURSE, THERE WERE MANY PEOPLE
14 WHO LIVE NEAR THE VINYL CHLORIDE PLANT WHO
15 DON'T HAVE AN ANGIOSARCOMA; ISN'T THAT TRUE?

16 A. THAT'S TRUE.

17 Q. AND FROM THE LITERATURE, DO YOU KNOW
18 THAT THERE ARE PEOPLE WHO LIVE NOWHERE NEAR
19 VINYL CHLORIDE PLANT WHO GET ANGIOSARCOMA,
20 TOO, ISN'T THAT TRUE?

21 A. THAT'S TRUE, ALSO. HOWEVER, THEY
22 POTENTIALLY DO HAVE SOME EXPOSURES THAT HAVE
23 NOW BEEN LIMITED ELSEWHERE, LIKE THE AIR
24 FLOWS -- I MEAN THE PRODUCTS.

25 Q. IN OTHER WORDS, A PERSON LIVING IN

2110048

1 WYOMING NOWHERE NEAR A VINYL CHLORIDE PLANT
2 COULD HAVE EXPOSURE TO VINYL CHLORIDE BECAUSE
3 IT WAS USED AS A PROPELLANT IN SPRAY CANS, IS
4 THAT CORRECT?

5 A. THAT'S CORRECT.

6 Q. SO, WHEN YOU SAID THE CAUSE OF MR.
7 GRASSO'S DISEASE WAS VINYL CHLORIDE, YOU
8 DIDN'T NECESSARILY MEAN IT WAS IN THE B.F.
9 GOODRICH PLANT. IT COULD HAVE BEEN FROM
10 AEROSOL CANS, IS THAT TRUE?

11 A. IT COULD HAVE BEEN, BUT YOU'RE
12 ASKING WHY I BELIEVE WAS MOST PROBABLE. WE
13 HAVE NO WAY OF DOING THE KIND OF STUDY YOU
14 PROPOSE AND THAT IS EXPOSING EVERYBODY TO
15 DIFFERENT PARTS PER MILLION OF VINYL CHLORIDE
16 TO HUMANS AND WAITING FIVE YEARS AND SEEING
17 HOW MANY END UP WITH ANGIOSARCOMA. I MEAN,
18 ONE CAN'T SAY WITH CERTAINTY, BUT YOU CAN SAY
19 WITH A DEGREE OF PROBABILITY.

20 Q. YOU FOUND A CASE AND A CAUSE AND YOU
21 LINKED THEM TOGETHER; IS THAT RIGHT.

22 A. THE LITERATURE HAS LINKED THEM
23 TOGETHER, THE MEDICAL INFORMATION.

24 Q. BUT THE MEDICAL LITERATURE AND THE
25 FIRST ARTICLE I TALKED TO US, THAT 75 PERCENT

2110049

1 OF THE CASES ARE OF UNKNOWN ORIGIN? WHY DO
2 YOU PICK ONE OF FOUR KNOWN ORIGINS INSTEAD
3 SAYING, IT WAS OF UNKNOWN ORIGIN?

4 A. BECAUSE -- BECAUSE I THOUGHT --
5 THOUGHT IT WAS MOST LIKELY DO TO VINYL
6 CHLORIDE. I STILL BELIEVE THAT. I MEAN, HOW
7 WOULD YOU PROPOSE TO -- HOW WOULD YOU
8 REASONABLY ASK ME TO CHANGE MY OPINION?

9 Q. WELL, LET ME ASK YOU THIS, DOCTOR.

10 THE COURT: WELL, LET ME SAY
11 THIS. YOU CAN'T ASK QUESTIONS OF COUNSEL.
12 THAT'S GETTING INTO AN ARGUMENTATIVE
13 SITUATION. YOU ASK THE QUESTIONS, YOU ANSWER
14 THEM.

15 THE WITNESS: OKAY.

16 BY MR. RENNEISEN:

17 Q. LET ME ASK YOU THIS, DOCTOR: IN
18 MAKING YOUR DETERMINATION, DID YOU CONSIDER
19 THAT THE MEDICAL LITERATURE INDICATES LATENCY
20 PERIODS MUCH LONGER THAN MR. GRASSO'S?

21 A. IS THERE A QUESTION THERE? I MISSED
22 IT.

23 Q. I THOUGHT IT WAS A QUESTION. LET ME
24 ASK AGAIN.

25 IN MAKING YOUR DECISION THAT

2110050

1 IT'S VINYL CHLORIDE THAT CAUSED -- DID YOU
2 CONSIDER THE FACT THAT THE MEDICAL LITERATURE
3 INDICATES LATENCY PERIODS GREATER THAN MR.
4 GRASSO'S LATENCY PERIOD?

5 A. I DID.

6 Q. IN MAKING YOUR DECISION, DID YOU
7 CONSIDER THE FACT THAT OF THE KNOWN CASES
8 WHICH THE MEDICAL PROFESSION HAS ATTRIBUTED
9 TO VINYL CHLORIDE EXPOSURE TO ANGIOSARCOMA,
10 THE PEOPLE WHO CONTRACTED ANGIOSARCOMA HAD
11 INTENSE EXPOSURE IN THE WORK PLACE?

12 A. YES.

13 Q. AND, DID YOU CONSIDER THE FACT THAT
14 MR. GRASSO DID NOT HAVE INTENSE EXPOSURE IN
15 THE WORK PLACE; IS THAT CORRECT?

16 A. I CAN'T TESTIFY TO WHAT EXPOSURE HE
17 REALLY HAD, WHERE HE WORKED. BUT I -- IF
18 YOU TAKE THAT AS A GIVEN AND HE DIDN'T HAVE
19 ANY EXPOSURE WHERE HE WORKED --

20 Q. THE EXPOSURE --

21 A. THEN I DID CONSIDER IT, YES.

22 Q. THE EXPOSURE YOU KNEW ABOUT WAS
23 LIVING APPROXIMATELY TWO MILES FROM THE PLANT?

24 A. AND BEING TOLD BY DOCTOR NEEDL AT
25 DUPONT THAT THEY DIDN'T MAKE QUANTITIES OF

21110051

1 VINYL CHLORIDE.

2 Q. HE ACTUALLY TOLD YOU THEY HAD SOME,
3 DIDN'T HE?

4 A. HE TOLD ME THEY HAD NONE, BUT I
5 SUBSEQUENTLY LEARNED THAT THEY HAD SOME IN A
6 DIFFERENT AREA WHERE HE DIDN'T WORK. I THINK
7 IT WAS TO FILL -- FILL OUT THE ^{aerosol} AIR SOLES, I
8 BELIEVE.

9 Q. DID YOU -- AND I THINK YOU'VE
10 ALREADY TOLD ME THAT YOU DIDN'T MAKE ANY
11 VESSELS TO ELIMINATE ARSENIC AS A CAUSE?

12 A. WHERE BLOOD ARSENIC LEVELS DONE ON
13 HIS AUTOPSY, NO, BUT THE POSTMORTUM DIDN'T
14 SHOW ANY EVIDENCE OF CHRONIC ARSENIC COMPOST
15 AS FAR AS WE CAN TELL.

16 Q. INCIDENTALLY, DOCTOR, JUST SOMETHING
17 THAT YOU SAID EARLIER, WHEN MR. GRASSO WAS
18 -- WAS CAUGHING UP BLOOD, WAS THAT BECAUSE
19 IT IS LIVER CANCER HAD SPREAD TO HIS LUNGS?

20 A. YES, I BELIEVE.

21 Q. SO THAT EVEN THOUGH THERE WERE NO
22 TUMORS ON THE X-RAYS IN MAY OF 1976, IT WOULD
23 HAVE MEANT THAT THERE WERE SOME BLOOD
24 INVOLVEMENT BY THAT TIME; IS THAT CORRECT?

25 A. I HAVEN'T GOT A X-RAY. JUNE IS THE

21120052

1 EARLIEST X-RAY I'VE SEEN.

2 Q. WELL, HE WAS CAUGHING UP BLOOD IN
3 MAY, I BELIEVE.

4 A. YES.

5 Q. ACCORDING TO THE TESTIMONY WE HAD
6 HERE.

7 A. ONE WOULD SUSPECT THAT THE TUMOR IS
8 PRESENT.

9 Q. IN THE LITERATURE THAT YOU WERE
10 FAMILIAR WITH -- I GUESS THIS IS A
11 VIOLATION OF THE COPYRIGHT LAWS -- BUT ARE
12 YOU FAMILIAR WITH THIS DOCUMENT WHICH --
13 WHICH WAS A PUBLICATION OF THE NEW YORK
14 ACADEMY OF SCIENCE, TOXICITY OF VINYL
15 CHLORIDE, POLYVINYL CHLORIDE?

16 A. NO, I'M NOT.

17 THE COURT: ARE YOU GOING TO
18 BE LONG.

19 MR. RENNEISEN: NO, I'M VERY
20 CLOSE TO BEING FINISHED.

21 THE COURT: BECAUSE I'M
22 HOLDING UP THE RECESS. WILL THERE BE REDIRECT,
23 MR. VASSALOTTI?

24 MR. VASSALOTTI: JUST A
25 COUPLE OF QUESTIONS, YOUR HONOR.

21110053

1 BY MR. RENNEISEN:

2 Q. WHEN YOU SAID THAT YOU WERE FAMILIAR
3 WITH SOME LITERATURE IN THE FIELD THAT
4 DISCUSSED THE RELATIONSHIP BETWEEN VINYL
5 CHLORIDE AND ANGIOSARCOMA, DO YOU RECALL NOW
6 WHO THE AUTHORS OF THAT LITERATURE WERE?

7 A. WELL, I HAD SOME OF THE LITERATURE
8 OVER THERE THAT I BROUGHT ALONG THAT --

9 Q. I WON'T TEST YOUR MEMORY.

10 A. BUT I MEAN THE BASIC REVIEW ARTICLE
11 IN THE MEDICAL COMMUNITY IS THE ANDERSON
12 INTERNAL MEDICINE. AND I READ THAT.

13 Q. AND THESE ARE PUBLISHED BY ACCEPTED
14 PUBLISHING HOUSES THAT KEEP THEM APPRISED OF
15 LATEST DEVELOPMENTS; IS THAT RIGHT?

16 A. YES.

17 Q. AND THAT LITERATURE INDICATED TO YOU
18 THE LONG LATENCY PERIODS THAT YOU AND I HAVE
19 BEEN TALKING ABOUT; IS THAT CORRECT?

20 A. IT INDICATED THERE WAS A LATENCY
21 PERIOD, YES.

22 Q. AND THAT SAME LITERATURE DISCUSSED
23 THE CONCENTRATION LEVELS TO WHICH
24 ANGIOSARCOMA -- ANGIOSARCOMA PATIENTS HAD
25 BEEN EXPOSED WHEN THEY WERE EXPOSED TO VINYL

2110054

1 CHLORIDE; IS THAT TRUE?

2 A. YES, IT HAD SOME NUMERICAL PHYSICIAN,
3 YES.

4 Q. AND THOSE FIGURES, AGAIN, WOULD YOU
5 TELL ME WERE AGAIN IN HUNDREDS OF PARTS PER
6 MILLION?

7 A. YEAH.

8 Q. ON A REGULAR BASIS IN THE WORK PLACE;
9 IS THAT CORRECT?

10 A. YES.

11 MR. RENNEISEN: THANK YOU,
12 DOCTOR.

13 THE COURT: REDIRECT.

14 MR. VASSALOTTI: NO, YOUR
15 HONOR.

16 THE COURT: I HAVE NO FURTHER
17 QUESTIONS.

18 THE COURT: LADIES AND
19 GENTLEMEN, LET'S TAKE OUR MORNING RECESS, TEN
20 MINUTES.

21 (JURY LEAVES THE COURTROOM.)

22 THE COURT: TEN MINUTES RECESS.
23 YOU MAY STEP DOWN.

24 (RECESS.)

25 (THE FOLLOWING TAKES PLACE IN OPEN COURT WITH

21110055

1 THE JURY PRESENT.)

2 THE COURT: CALL YOUR NEXT
3 WITNESS.

4 MR. VASSALOTTI: THANK YOU,
5 YOUR HONOR. DOCTOR SAMUEL EPSTEIN.
6 SAMUEL EPSTEIN SWORN.

7 DIRECT EXAMINATION BY MR. VASSALOTTI.

8 Q. DOCTOR, BY WHOM ARE YOU PRESENTLY
9 EMPLOYED?

10 A. THE UNIVERSITY OF ILLINOIS MEDICAL
11 CENTER IN CHICAGO.

12 Q. AND WHAT IS YOUR POSITION WITH THE
13 UNIVERSITY OF ILLINOIS MEDICAL CENTER?

14 A. I'M PROFESSOR OF OCCUPATIONAL AND
15 ENVIROMENTAL MEDICINE.

16 Q. CAN YOU DESCRIBE THE AREA OF
17 MEDICINE AND/OR TOXICOLOGY THAT YOU ARE
18 INVOLVED WITH IN THAT POSITION?

19 A. MY MAJOR PROFESSIONAL INTERESTS
20 RELATING TO THE TOXICS AND CANCER CAUSING OR
21 CARCINOGENIC EFFECTS OF CHEMICALS IN THE
22 ENVIRONMENT WITH PARTICULAR REFERENCE TO
23 POLLUTANTS IN AIR, FOOD, WATER AND THE WORK
24 PLACE.

25 Q. YOU ARE A MEDICAL DOCTOR?

21110056

1 THE SLIDES THAT YOU HAD SHOWN US WERE GRAPHS
2 OR CHARTS OR CASES OF DIAGNOSED ANGIOSARCOMA
3 AND EXPOSURE TO -- THE POPULATION EXPOSED
4 AND COMPARING THE TWO; IS THAT CORRECT?

5 A. THE GRAPHS THAT I SHOWED WERE ALL OF
6 THE CASES OF ANGIOSARCOMA RELATED TO VINYL
7 CHLORIDE EXPOSURE.

8 Q. WELL, WHEN YOU SAY RELATED TO VINYL
9 CHLORIDE EXPOSURE, WAS IT REALLY RELATED TO
10 VINYL CHLORIDE EXPOSURE OR VINYL CHLORIDE
11 EXPOSURE OF PVC WORKERS?.

12 A. NO. THE -- THE TERM PVC -- AND I
13 THINK IN VINYL CHLORIDE, I USE, I THINK, A
14 LITTLE MORE SEPARATELY. THE OCCURRENCE OF
15 ANGIOSARCOMA HAS OCCURRED NOT IN THE
16 PRODUCTION, THE MAKING OF VINYL CHLORIDE. IT
17 HAS BEEN ASSOCIATED WITH VINYL CHLORIDE BEING
18 USED TO FORM THE POLYMER, PVC. AND IT HAS NOT
19 BEEN ASSOCIATED WITH THE POLYVINYL CHLORIDE
20 BEING USED FOR FABRICATIONS. AND ALL THE
21 ANGIOSARCOMA CASES THAT ARE SHOWN IN THERE
22 ARE THOSE WHOSE DEVELOPMENT OF THE TUMOR AND
23 THEIR WORK HISTORIES SHOW SOME OR VARYING
24 DEGREES OF WORK-RELATED CONVERSION OF VINYL
25 CHLORIDE TO POLYVINYLCHLORIDE.

2110057

1 Q. WHAT GROUP WAS THAT YOU STUDIED IN
2 EPIDEMIOLOGICAL STUDIES. IT'S CALLED A
3 COHORT, ISN'T IT? IS THAT WHAT YOU CALLED A
4 GROUP?

5 A. THIS WAS NOT A COHORT STUDY. THIS
6 SIMPLY IDENTIFIES ALL THE CASES THAT WE HAVE
7 THAT WE KNOW OF IN THE LITERATURE, FROM OUR
8 OWN EXPERIENCE, FROM OTHER PLANTS, AND WE'RE
9 LOOKING AT THESE ANGIOSARCOMAS AND
10 CHARACTERIZING GOING ON THEIR CLINICAL,
11 HISTOLOGICAL, PHYSICAL CHARACTERISTICS, THEIR
12 EXPOSURE HISTORIES.

13 Q. OKAY. IN YOUR DIRECT TESTIMONY, YOU
14 MENTIONED THAT DOCTOR MATALONI HAD DONE SOME
15 STUDIES ON THE CANCER-CAUSING EFFECTS OF
16 VINYL CHLORIDE. I THINK YOU TESTIFIED THAT HE
17 FOUND ANGIOSARCOMAS IN RATS EXPOSED TO 25
18 PARTS PER MILLION.

19 A. I BELIEVE THAT WAS THE LOWER DOSE
20 WHICH HE FOUND OUT.

21 Q. DID HE FIND CHANGES YES, SIR OF ANY
22 OTHER KINDS IN DOSES LOWER THAN THAT?

23 A. YES, HE REPORTED THAT.

24 Q. HOW FAR DOWN DID HE GO?

25 A. IF I RECALL CORRECTLY, I THINK HE

21110058

1 HAD A TUMOR IN ONE ANIMAL -- I'VE FORGOTTEN
2 WHICH ORGAN SYSTEM -- ONE PART PER MILLION.
3 Q. ONE PART PER MILLION?
4 A. I THINK THAT'S CORRECT. I'D HAVE TO
5 CHECK THE LITERATURE AGAIN. IT WAS EITHER AT
6 ONE OR AT FIVE.

8 YOU AN ARTICLE THAT'S ENTITLED "VINYL
9 CHLORIDE CARCINOGENICITY AND EXPERIMENTAL
10 MODEL FOR CARCINOGENIC STUDIES." IT'S BY C.
11 NATALONI. IS THAT THE PROFESSOR NATALONI THAT
12 WE'VE BEEN TALKING ABOUT?

13 A. YES.

14 Q. PAGE 153 OF THIS PUBLICATION,
15 THERE'S A CHART THAT INDICATES THE LEVELS OF
16 EXPOSURE TO WHICH MR. NATALONI -- DOCTOR
17 NATALONI EXPOSED THESE ANIMALS.

18 A. RIGHT.

19 Q. IS THERE A TEST FOR -- WAS A STUDY
20 DONE AT ONE PART PER MILLION?

21 A. YES, THERE WAS.

22 Q. AND WERE ANY CANCER -- CANCEROUS
23 FINDINGS LOCATED?

24 A. PART 12, FOUR FROM OTHER SITES AND
25 EIGHT IN THIS CHART SHOWS THAT HE

21110059

1 DEMONSTRATED IN A 120 ANIMALS THAT THERE WERE
 2 A TOTAL OF 12 CANCERS OF WHICH FOUR WERE FROM
 3 UNSPECIFIED SITES AND EIGHT WERE FROM
 4 AMALLARY CANCER.
 5 Q. THAT WAS AT ONE PART PER MILLION?
 6 A. THAT IS CORRECT.
 7 Q. DOCTOR, IN A LOT OF THE ARTICLES
 8 THAT I'VE READ AND I THINK IN SOME OF YOUR
 9 TESTIMONY HERE TODAY, WHEN WE'VE BEEN
 10 CONCERNED ABOUT OR WHEN YOU AND THE
 11 PROFESSION HAVE BEEN CONCERNED ABOUT
 12 EXPOSURES OR DETERMINING WHAT THE EXPOSURE OF
 13 AN EMPLOYEE WAS TO VINYL CHLORIDE, IT'S
 14 COMMONLY BEEN QUANTIFIED IN TERMS OF PARTS
 15 PER MILLION; IS THAT CORRECT?
 16 A. THAT'S CORRECT.
 17 Q. 50 PARTS PER MILLION MEASURED AT
 18 ACTUALLY WHAT THE WORKERS WOULD HAVE BEEN
 19 EXPOSED TO; IS THAT CORRECT, OR ESTIMATED AT
 20 WHAT THE WORKERS WOULD HAVE BEEN EXPOSED TO?
 21 A. THOSE ARE ESTIMATES, THAT'S CORRECT.
 22 Q. IN OTHER WORDS, IF A MAN WERE
 23 CLEANING A REACTOR, BASED UPON THE
 24 INFORMATION YOU DESCRIBED AS TO HOW IT WAS
 25 DETERMINED THAT HE WAS EXPOSED TO HIGH LEVELS,

1 YOU WOULD SAY THAT WHILE HE WAS CLEANING THE
2 REACTOR, HE WAS EXPOSED TO MAYBE UP TO 200,
3 500 PARTS PER MILLION OF VINYL CHLORIDE?

4 A. HE COULD HAVE.

5 Q. WHILE HE WAS CLEANING IT?

6 A. YES.

7 Q. I NOTICE THAT IN NONE OF THE
8 LITERATURE THAT I HAVE SEEN AND NONE OF YOUR
9 TESTIMONY REGARDING THE LITERATURE IS THERE
10 ANY TALK OF THE ANNUAL AVERAGE EXPOSURE OF
11 THESE PEOPLE. IN DETERMINING OR IN TRYING TO
12 ESTIMATE THE CANCER-CAUSING EFFECTS OF VINYL
13 CHLORIDE, ARE YOU INTERESTED IN THE DIRECT
14 EXPOSURE OF AN EMPLOYEE OR HIS ANNUAL AVERAGE
15 EXPOSURE OR BOTH?

16 A. BOTH. THERE IS A CONCERN OVER
17 ACCURACY WHEN ONE IS DOING ESTIMATES BASED ON
18 PAST MEMORY. THAT IS ONE OF THE REASONS WHEN
19 WE DID OUR STUDY, WE DID IT ON THE BASIS OF
20 RANK ORDERING, BECAUSE IN THE RANK ORDERING
21 DONE ON EACH INDIVIDUAL YEAR THAT AN
22 INDIVIDUAL WORKS, IT TAKES INTO CONSIDERATION
23 THAT WHATEVER VARIATION HAPPENED FROM YEAR TO
24 YEAR, THAT VARIATION IS KEPT CONSTANT IN THE
25 ENTIRE WORK POPULATION, SINCE YOU TREAT IT IN

21110061

1 THE SAME WAY WHEN YOU PAINT THEM. THAT'S ONE
 2 OF THE REASONS WE THINK THE RANK SYSTEM FOR
 3 RETROSPECTIVE STUDIES HAS WORKED WELL.
 4 FOR PROSPECTIVE STUDIES, THAT
 5 IS GOING FORWARD AND DOING SOMETHING, THE
 6 ACTUAL MEASUREMENT WOULD BE THE BEST. NOW, IF
 7 WE WERE TO TAKE OUR ESTIMATES IN ANY
 8 COMBINATION THAT YOU WANT AND USE TWO PARTS
 9 PER MILLION OR 50 OR A HUNDRED, AND ASSUME
 10 THAT IT'S ON A SEVEN DAY -- SEVEN HOURS A
 11 DAY, FIVE DAYS A WEEK, 50 WEEKS A YEAR
 12 PROCESS AND THEN AGAINST THE NUMBER OF YEARS,
 13 YOU FIND STILL THOSE INDIVIDUALS WITH TOTAL
 14 EXPOSURES IN -- UNDER THE RANGE OF WHAT
 15 WOULD HAVE BEEN EQUIVALENT TO OR
 16 APPROXIMATELY IN THE RANGE OF 50 PARTS PER
 17 MILLION, NOT SHOWING OR HAVING ANY
 18 ANGIOSARCOMA CASES AMONG THE WORKER
 19 POPULATION. AND THAT'S SURPRISING. ONE WOULD
 20 HAVE EXPECTED IF THE LOWER LEVELS WERE ABLE
 21 TO INDUCE CANCER FOR THAT EVENT TO OCCUR. NOW,
 22 SOME OF US FELT THAT WOULD EVENTUALLY SHOW UP,
 23 BUT IT'S NOW BEEN SEVEN YEARS SINCE IT WAS
 24 FIRST DISCOVERED AND WE STILL HAVEN'T SEEN IT.
 25 IN FACT, WE'VE SEEN JUST THE OPPOSITE. WE'VE

21110062

0000

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

THE DIMINUTION OF THE NUMBER OF CASES.

DOCTOR, YOUR EXPLANATION OF THE
CARCINOGENESIS OF VINYL CHLORIDE IN THE LIVER AND
THE RELATIONSHIP TO THE CANCER PROMOTING
CHARACTERISTICS OF VINYL CHLORIDE, IS THAT
WHICH IS GENERALLY ACCEPTED IN THE MEDICAL
FIELD AS DEFINING THE CAUSAL CONNECTION
BETWEEN VINYL CHLORIDE AND CANCER?

THE WAY SCIENTIFIC DATA IS ACCEPTED
IS THAT AFTER YOU'VE DONE AN EXPERIMENT OR
SOME OTHER WORK, YOU WRITE IT UP AND YOU
SENT IT TO SCIENTIFIC JOURNALS WHERE YOUR
PEOPLE OF EQUAL EXPERIENCE OR EQUAL
STATUS, REVIEW THAT FOR ITS CREDIBILITY,
ACCURACY, ITS SCIENTIFIC MERIT. AND THEN
EITHER ACCEPTED OR IT'S NOT ACCEPTED FOR
PUBLICATION.

NOW, WHAT YOU CONCLUDE AS
ACCEPTED AT THE TIME IS BASED ON
COMMON KNOWLEDGE, SCIENTIFIC KNOWLEDGE. AND
THEORIES WE'VE PROPOUNDED OR I'VE
PROPOUNDED AND WRITTEN ABOUT IN PUBLICATIONS
HAVE BEEN SUBMITTED TO SCIENTIFIC JOURNALS,
HAVE BEEN ACCEPTED AND HAVE BEEN PUBLISHED.
I DON'T WANT TO SOUND IMMODEST, BUT I

21110063

1 PRESUME THAT MY PEERS HAVE FOUND THIS TO BE
2 SCIENTIFICALLY ACCEPTABLE IN THE --

3 Q. YOUR METHODS ARE SCIENTIFICALLY
4 ACCEPTABLE. BUT WHAT I'M INTERESTED IN, ARE
5 YOUR CONCLUSIONS ACCEPTED BY THE MEDICAL
6 COMMUNITY AS A DEFINITION OF THE MECHANICS OF
7 HOW VINYL CHLORIDE CAUSES CANCER OR IS IT
8 ACCEPTED AS A HYPOTHESIS?

9 A. YOU MEAN, IS THIS FACT?

10 Q. IS IT FACT?

11 A. A HUNDRED PERCENT, NO; THOSE ARE
12 HYPOTHESES BASED ON THE DATA WE NOW PRESENTLY
13 HAVE AND THIS HAS BEEN MODIFIED SINCE 1974
14 AND UPDATED. AND AS WE GATHER NEW FACTS, IT
15 WILL BE MODIFIED MORE.

16 Q. BUT IT REMAINS A HYPOTHESIS AT THIS
17 POINT?

18 A. THAT'S RIGHT.

19 Q. NO ONE KNOWS FOR SURE HOW VINYL
20 CHLORIDE CAUSES CANCER?

21 A. THAT'S TRUE. WE DON'T KNOW HOW ANY
22 AGENT CAUSES CANCER.

23 Q. YOUR DOCTOR, YOU'VE MENTIONED THAT
24 YOU BELIEVE THAT EVEN IF MR. GRASSO WERE
25 EXPOSED TO THREE PARTS PER MILLION OF VINYL

2110064

1 CHLORIDE OVER THE FIVE AND A HALF, SIX YEAR
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. WHAT --

Q. DOES THAT MEAN THREE PARTS PER
MILLION IS A SAFE LEVEL OF EXPOSURE TO VINYL
CHLORIDE?

A. WHAT I'VE SAID IS THAT I DO NOT
BELIEVE THAT THERE IS A CAUSAL RELATIONSHIP
HERE BECAUSE WE HAVE NO EPIDEMIOLOGICAL AND
BIOLOGICAL DATA IN ANY OF THE OTHER STUDIES
DONE THAT SHOW THAT THAT LEVEL OF EXPOSURE,
EVEN FOR LONGER PERIODS OF TIME THAN MR.
GRASSO HAD, HAVE DEVELOPED LIVER DISEASE OR
ANGIOSARCOMA.

Q. EVERY INDIVIDUAL, THOUGH, IS
DIFFERENT IN HIS SUSCEPTIBILITY TO A
CHEMICAL CAUSING CANCER, ISN'T HE?

A. THAT'S TRUE. BUT I'M AGAIN GOING
THIS ON THE BASIS OF THE FACT THAT WE'RE
DEALING WITH NOT ABSOLUTES, BUT PROBABILITY.

Q. I UNDERSTAND THAT. NOW, YOU'VE
PICKED THREE PARTS PER MILLION AVERAGE,
APPARENTLY FOR SOME REASON. IS THAT THAT YOU

21110065

1 CONSIDER --

2 MR. RENNEISEN: OBJECTION,
3 YOUR HONOR. THERE'S NO EVIDENCE IN THIS CASE
4 THAT THERE WAS AN AVERAGE OF THREE PARTS PER
5 BILLION AT ALL.

6 MR. VASSALOTTI: YOUR HONOR,
7 I READ IN -- AND THE DOCTOR AGREED A HALF
8 HOUR AGO THAT HE HAD TOLD SOMEONE -- AND I
9 GOT THE INFORMATION FROM MR. RENNEISEN --
10 THAT EVEN IF MR. GRASSO WERE EXPOSED AT A
11 LEVEL OF THREE PARTS PER MILLION DURING THIS
12 ENTIRE TIME, THAT THAT WOULD NOT BE THE CAUSE
13 OF HIS CANCER.

14 THE COURT MR. RENNEISEN
15 YOU'RE SPEECHLESS.

16 MR. RENNEISEN: I ASKED THE
17 DOCTOR IF PLAINTIFF PROVED THREE PARTS PER
18 MILLION ON A --

19 THE COURT: I'M GOING TO
20 ALLOW THE QUESTION. YOU MAY PROCEED.
21 OBJECTION OVERRULED.

22 BY MR. VASSALOTTI:

23 Q. IS THAT WHERE YOU GOT THE THREE
24 PARTS PER BILLION?

25 A. ~~NO. WHAT I HAVE SIMPLY SAID, AGAIN~~

2110066

1 STATE, THAT WE HAVE NO DATA THAT ANYTHING
2 BELOW TEN PARTS PER MILLION IN HUMAN DATA, AS
3 WELL AS ANIMAL DATA PRODUCES AN ANGIOSARCOMA.
4 SO, SOMEONE HAS THREE, SON SOMEONE HAS TWO,
5 SOMEONE HAS FIVE, I WOULD DRAW THE SAME
6 CONCLUSION BASED ON THE DATA.

7 Q. BASED UPON YOUR OPINION THAT THAT IS
8 NOT ENOUGH?

9 A. BASED ON A SCIENTIFIC --

10 Q. NOT ENOUGH OF AN EXPOSURE?

11 A. YES.

12 Q. IS THERE ANY PRESENTLY ACCEPTABLE
13 WAY TO DETERMINE RELIABLY IF THERE IS ANY SAFE
14 LEVEL OF EXPOSURE TO A CHEMICAL CARCINOGEN?

15 A. MAKING THE ASSUMPTION THAT NO
16 CHEMICAL PRESENT, THEREFORE, NO CANCER, IS
17 THE BASIS AND THE ONLY ABSOLUTE WE HAVE.

18 SINCE WE CAN'T DO THIS ENVIRONMENTALLY IN
19 HUMANITIES, WE ARE NOW DEALING WITH A CONCEPT
20 OF WHETHER OR NOT THERE IS A LEVEL WHERE THE
21 OCCURRENCE OF A CANCER WILL NOT BE ANY

22 GREATER IN ONE INDIVIDUAL THAN IT WOULD BE IN
23 ANY OTHER INDIVIDUAL. AND THAT'S WHAT THE
24 EXPECTED AVERAGES OF TUMOR OCCURRENCES ARE

25 ABOUT. ANY OTHER WAY...

2110067

OR OTHERWISE AT PRESENT, THAT PEOPLE WHO ARE
EXPOSED TO UNDER TEN PARTS PER MILLION HAVE
ANY GREATER INCIDENCE OF LIVER AND PANCREAS
THAN THOSE THAT HAVE NO PARTS PER MILLION.
AND SO, EPIDEMIOLOGICALLY, THE PROBABILITY IS
THAT THAT'S NOT AN ASSOCIATION.
Q. WELL, LET ME ASK YOU THE QUESTION
AGAIN, BECAUSE I DON'T KNOW IF YOU ANSWERED

IS THERE ANY PRESENTLY
ACCEPTABLE WAY IN THE MEDICAL COMMUNITY TO
DETERMINE RELIABLY IF THERE'S A SAFE
THRESHOLD LEVEL FOR CHEMICAL CARCINOGENS?
A. YES, I THINK THE METHOD I'VE JUST
OUTLINED IS A -- THE BEST AND MOST RELIABLE
METHOD. IF YOU SHOW THAT AN INDIVIDUAL OR A
GROUP OF INDIVIDUALS ARE AT NO GREATER RISK
IN THE OCCURRENCE OF A TUMOR THAN ANOTHER
GROUP THAT HAS TOTALLY NO EXPOSURE TO THE
SAME ENVIRONMENT, YOU HAVE DEMONSTRATED AS
BEST WE CAN THAT THEY'RE AT NO GREATER RISK.
Q. SO FAR, THERE'S BEEN NO GREATER RISK?
A. RIGHT.
O. THAT'S YOUR OPINION, DOCTOR? IS THAT
CORRECT?

21110068

1 ~~... THAT IS A STANDARD PROCEDURE FOR~~
 2 ~~SCIENCE IN GENERAL AND HAS BEEN THE WAY BY~~
 3 ~~WHICH THE ORIGINAL STANDARD WAS SET. THE~~
 4 ~~ORIGINAL --~~

5 Q. DOCTOR, ARE YOU FAMILIAR WITH A
 6 GROUP KNOWN AS THE INTERAGENCY REGULATORY
 7 LIAISON GROUP WHICH PUBLISHED A -- WHICH IS
 8 A GROUP CONSISTING OF MEMBERS OF THE JURY OF
 9 THE CONSUMER PRODUCTS SAFETY COMMISSION, THE
 10 ENVIRONMENTAL PROTECTION AGENCY, THE FOOD AND
 11 DRUG ADMINISTRATION AND THE OCCUPATIONAL
 12 SAFETY AND HEALTH ADMINISTRATION.

13 A. I'VE HEARD OF THE GROUP.

14 Q. ARE YOU FAMILIAR WITH THEIR
 15 PUBLICATION, SCIENTIFIC BASIS FOR
 16 IDENTIFICATION OF POTENTIAL CARCINOGENS AND
 17 EMISSIONS -- I'M SORRY, AND ESTIMATIONS OF
 18 RISKS?

19 A. NO, I'M NOT FAMILIAR WITH THAT
 20 PUBLICATION.

21 Q. IS THAT A GOVERNMENT BODY THAT DID
 22 THAT STUDY?

23 A. THOSE ARE GOVERNMENT BODIES. I DON'T
 24 KNOW THAT THAT'S A STUDY.

25 Q. WELL, LET ME SHOW YOU THE STUDY,

2110069

1 DOCTOR.

2 THIS IS IN THE JOURNAL OF THE
3 NATIONAL CANCER INSTITUTE, JULY OF 1970.
4 WOULD YOU TAKE A LOOK AT THAT? (HANDING.)

5 (BRIEF PAUSE.)

6 MR. RENNEISEN: YOUR HONOR,
7 IF THE WITNESS IS BEING ASKED TO READ THE
8 WHOLE THING, I --

9 THE WITNESS: I'M JUST
10 READING THE ABSTRACT, IF THAT'S PERMISSIBLE.

11 MR. RENNEISEN: IF HE'S BEING
12 ASKED TO READ THE WHOLE THING, I THINK IT'S
13 TEN OR 15 MINUTES LONG.

14 MR. VASSALOTTI: I'D LIKE TO
15 GIVE HIM A --

16 THE COURT: HE SAID HE'S
17 READING THE ABSTRACT.

18 MR. RENNEISEN: HE SAID HE
19 NEVER SAW THE DOCUMENT BEFORE.

20 THE WITNESS: THERE'S PARTS
21 OF THIS DOCUMENT THAT HAVE BEEN PUBLISHED
22 OTHER PLACES, BUT I'VE NEVER SEEN THIS
23 PARTICULAR DOCUMENT.

24 BY MR. VASSALOTTI:

25 O. LET ME REFER YOU TO PAGE 255 A --

21110070

1 ACTUALLY IT'S PAGES 254 AND 255. THERE'S A
2 PARAGRAPH ENTITLED "LACK OF PREDICTABLE
3 THRESHOLDS FOR AN EXPOSED POPULATION."

4 DOCTOR, DOES IT NOT SAY AT
5 THE TOP OF PAGE 255 THAT THERE IS NO RELIABLE
6 ACCEPTABLE WAY TO DETERMINE RELIABLY -- I'M
7 SORRY. STRIKE THAT. LET ME START OVER AGAIN.

8 THERE IS PRESENTLY NO
9 ACCEPTABLE WAY TO DETERMINE RELIABLY A
10 THRESHOLD FOR A CARCINOGEN FOR AN ENTIRE
11 POPULATION?

12 A. YES, IT DOES SAY THAT. BUT YOU'VE
13 GOT TO REMEMBER THE WORD IS PREDICTABLE. AND
14 WHAT I WAS POINTING OUT TO YOU
15 EPIDEMIOLOGICALLY IT IS NOT PREDICTABLE. WHAT
16 WE'RE DOING WITH EPIDEMIOLOGICAL STUDIES IS
17 RETROSPECTIVELY SAYING THAT THAT LEVEL IS
18 SAFE BASED ON EXPERIENCE. BUT THERE'S NO WAY
19 TO PREDICT THAT A LEVEL WILL NOT PRODUCE A
20 TUMOR WITHOUT ACTUALLY LOOKING AT THE
21 EXPERIENCE. AND --

22 Q. ARE YOU SAYING THAT IF YOUR
23 RETROSPECTIVE STUDIES SHOW THAT TEN PARTS PER
24 MILLION IS SAFE, THAT YOU CAN'T ASSURE OR
25 POSTULATE OR PREDICT THAT THAT LEVEL WILL BE

21110071

1 SAFE TOMORROW?

2 A. NO. I'M SAYING JUST THE OPPOSITE:
3 THAT IF YOU HAVE ADEQUATE EXPERIENCE IN TIME,
4 IN NUMBERS OF INDIVIDUALS, THAT SHOW THAT NO
5 ONE'S DEVELOPED A DISEASE AT TEN PARTS PER
6 MILLION, THAT THAT'S A REASONABLE
7 PREDICTABLENESS THAT YOU WILL NOT DEVELOP THE
8 DISEASE IN THE FUTURE.

9 Q. THAT'S YOUR OPINION?

10 A. NO, THAT'S SCIENTIFICALLY AN
11 ACCEPTED PROCESS AND AS EACH SUCCESSIVE YEAR
12 GOES ON, THAT'S HELD TRUE. YOU'RE MISREADING
13 THAT. IT SAYS PREDICTING IT. WHAT WE'RE DOING
14 IS BASING IT ON PAST EXPERIENCE, LONG YEARS
15 OF EXPERIENCE AND BY CHARACTERIZATION OF THE
16 DISEASE. AND YOU'RE SAYING ON THE BASIS OF
17 THIS PAST EXPERIENCE, THIS VAST PAST
18 EXPERIENCE, THAT BIOLOGICALLY, WE SHOULD NOT
19 EXPECT SOMETHING TOO HAPPEN. AND THAT'S
20 PREDICTABLE. BUT WHAT THEY'RE SAYING IS, YOU
21 CANNOT SET UP ANY STUDY EITHER ANIMAL-WISE,
22 HUMAN-WISE OR EPIDEMIOLOGICAL-WISE BEFORE THE
23 FACT AND PREDICT WHETHER OR NOT A CHEMICAL
24 WILL OR NOT NOT PRODUCE CANCER IN THE FUTURE.
25 AND THAT'S TRUE.

2110072

1 Q. HOW ABOUT THE LAST SENTENCE OF THAT
2 SECTION. COULD YOU READ THAT TO US?

3 A. "EVEN IF THRESHOLDS FOR CARCINOGENS
4 COULD BE DEMONSTRATED FOR CERTAIN INDIVIDUALS
5 OR FOR A DEFINED POPULATION, NO RELIABLE
6 METHOD IS KNOWN FOR ESTABLISHING A THRESHOLD
7 THAT COULD BE APPLIED TO THE TOTAL HUMAN
8 POPULATION."

9 Q. DO YOU AGREE OR DISAGREE WITH THAT
10 STATEMENT?

11 A. I WOULD AGREE WITH THAT STATEMENT.

12 Q. DOCTOR, ARE YOU FAMILIAR WITH THE
13 GROUP KNOWN AS THE PRESIDENT'S COMMITTEE ON
14 TOXIC SUBSTANCES STRATEGY?

15 A. I'VE HEARD OF THE GROUP.

16 Q. AND WAS THAT GROUP PUT TOGETHER TO
17 STUDY TOXICOLOGICAL EFFECTS OF CHEMICAL
18 SUBSTANCES, AMONG OTHERS?

19 A. YOU'RE ASKING THE QUESTION IN A
20 FORMAT THAT IMPLIES THAT THEY WERE SET UP TO
21 DO STUDIES.

22 Q. NO, I DON'T MEAN STUDIES.

23 A. THAT IS NOT MY UNDERSTANDING OF WHY
24 THEY WERE SET UP. THEY WERE SET UP TO ADVISE
25 AND MAKE RECOMMENDATION.

21110073

1 Q. MAKE RECOMMENDATION AS TO WHAT
2 COURSE WE SHOULD TAKE IN HANDLING TOXIC
3 CHEMICALS?

4 A. RIGHT.

5 Q. HAVE YOU SEEN THE REPORT OF THAT
6 COMMITTEE?

7 A. ONLY PARTS OF IT.

8 Q. I HAVE THE WHOLE THING HERE. IT'S
9 ENTITLED "TOXIC CHEMICALS AND PUBLIC
10 PROTECTION, A REPORT TO THE PRESIDENT BY THE
11 TOXIC SUBSTANCES STRATEGY COMMITTEE." THAT'S
12 THE PRESIDENT OF THE UNITED STATES BY THE WAY.
13 AND IT'S DATED MAY OF 1970.

14 I WANT TO READ TO YOU FROM
15 PAGE ONE 53 OF THIS REPORT WHERE IT STATES:
16 "METHODS -- " STRIKE THAT.

17 "METHODS NOW AVAILABLE FOR
18 QUANTIFYING THE ESTIMATED HUMAN RISKS FROM A
19 GIVEN EXPOSURE TO A POTENTIAL CARCINOGEN CAN
20 BE -- " I'M SORRY, "CAN PROVIDE ONLY ROUGH
21 APPROXIMATIONS OF THE ACTUAL RISKS."

22 YOU WANT ME TO READ THAT TO
23 YOU AGAIN?

24 A. NO, I HEARD WHAT YOU SAID.

25 Q. DO YOU AGREE OR DISAGREE WITH THAT

21110074

1 STATEMENT?

2 A. I THINK ALL THE DATA THAT I'VE
3 STUDIED SAYS THAT'S THE WAY WE DO IT.

4 Q. THROUGH APPROXIMATIONS?

5 A. WELL, THE WAY ROUGH --

6 Q. THAT'S WHAT -- MY USE HERE. THAT'S
7 WHY I'M ASKING YOU --

8 A. IF IT'S ROUGH -- YOU'RE TALKING BASED ON
9 PROBABILITY, YES.

10 Q. DOCTOR, ARE YOU FAMILIAR WITH THE
11 REGULATIONS OF THE DEPARTMENT OF OCCUPATIONAL
12 SAFETY AND HEALTH REGARDING VINYL CHLORIDE?

13 A. I BELIEVE MOST OF THEM.

14 Q. AND ARE YOU FAMILIAR -- WERE YOU
15 INVOLVED AT ALL IN THE FORMULATION OF THOSE
16 STANDARDS FOR LEVELS -- TRYING TO SET UP
17 CONTROLS ON THE LEVEL OF EXPOSURE TO VINYL
18 CHLORIDE IN THE WORKPLACE?

19 A. NO, I WAS NOT.

20 Q. ARE YOU FAMILIAR WITH THE REPORT OF
21 THE NATIONAL INSTITUTE OF OCCUPATIONAL SAFETY
22 AND HEALTH THAT WAS SUBMITTED IN CONNECTION
23 WITH THE PREPARATION OF THAT REPORT REGARDING
24 THE SAFETY OF HANDLING VINYL
25 CHLORIDE?

2110075

21110076

Q. AND THAT THIS POPULATION WAS EXPOSED

A. I HAVE HEARD THAT REPORT.

PLANTS IN THE COUNTRY?

WITHIN FIVE MILES OF VINYL CHLORIDE AND PVC

APPROXIMATELY 4.5 MILLION PEOPLE WHO LIVED

STANDARD, DETERMINED THAT THERE WERE

STUDIES THAT THEY MADE BEFORE ISSUING THIS

THE ENVIRONMENTAL PROTECTION AGENCY IN

Q. ARE YOU FAMILIAR WITH THE FACT THAT

A. TO A LESSEER DEGREE, YES.

PLANTS?

CHLORIDE GAS FROM VINYL CHLORIDE IN PVC

REGULATE GOING ON THE EMISSION OF VINYL

ENVIRONMENTAL PROTECTION AGENCY'S REGULATIONS

Q. DOCTOR, ARE YOU FAMILIAR WITH THE

MILLION.

A. I BELIEVE IT TO BE ONE PART PER

SAFETY ADMINISTRATION?

STANDARD OF THE OCCUPATIONAL HEALTH AND

CHLORIDE EXPOSURE WHICH IS EMITTED IN THE

Q. AND WHAT WAS THE LEVEL OF VINYL

MILLION, YES.

RECOMMENDATION HAD TO BE ZERO PARTS PER

SPEAKING TO THE FACT THAT THE ORIGINAL

A. THERE WERE MANY REPORTS. IF YOU'RE

1 TO AN ANNUAL AVERAGE CONCENTRATION OF VINYL
2 CHLORIDE IN THE RANGE OF 17 PARTS PER BILLION?

3 A. YES.

4 Q. AND BASED UPON THAT AND I'M SURE
5 OTHER INFORMATION, THE DEPARTMENT OF
6 ENVIRONMENTAL PROTECTION -- I'M SORRY, THE
7 ENVIRONMENTAL PROTECTION AGENCY, THIS IS THE
8 FEDERAL AGENCY, PROMULGATED A STANDARD WHICH,
9 IN EFFECT, INTENDED TO REDUCE EMISSIONS OF
10 VINYL CHLORIDE FROM PVC PLANTS LIKE THE ONE
11 IN QUESTION TO THE EXTENT OF 95 PERCENT?

12 A. YES.

13 Q. IN YOUR WORK FOR THE B.F. GOODRICH
14 COMPANY, DOCTOR, AND THE GRANT THAT THEY GAVE
15 YOU OR THE GRANTS, DID THE GOODRICH COMPANY
16 PROVIDE YOU WITH ANY STUDIES REGARDING THE
17 TOXICITY OR CARCINOGENICITY OF VINYL CHLORIDE
18 THAT THEY HAD CONDUCTED BEFORE THEY RETAINED
19 YOU?

20 A. NO, THEY DID NOT..

21 Q. DID YOU INQUIRE AS TO WHETHER ANY
22 SUCH STUDIES HAD BEEN UNDERTAKEN?

23 A. YES, WE'VE DISCUSSED WHAT KINDS OF
24 WORK THAT THEY HAD DONE AND WHAT OTHER
25 COMPANIES HAD DONE.

21110077

1 Q. WELL, I'M LIMITING IT TO GOODRICH
2 RIGHT NOW. HAD THEY DONE ANYTHING WHEN THEY
3 FIRST CONTACTED YOU IN THIS AREA?

4 A. THEY HAD DONE STUDIES RELATED TO
5 HUMANS, BUT NOT TO ANIMALS.

6 Q. THOSE STUDIES INVOLVED THE THREE
7 CASES OF ANGIOSARCOMA THAT WERE DISCOVERED?

8 A. NO, THEY HAD ALREADY BEGUN A
9 SCREENING PROGRAM, HAD DESIGNED THE SCREENING
10 PROGRAM, HAD IMPLEMENTED A SCREENING PROGRAM,
11 HAD LOOKED AT A NUMBER OF INDIVIDUALS WHO HAD
12 NO CHEMICAL ABNORMALITIES, HAD MEDICALLY
13 WORKED THEM UP; THEIR INITIAL SCREENING
14 PROGRAM WAS REASONABLY STARTED BY THE TIME I
15 ARRIVED AT THE UNIVERSITY OF LOUISVILLE.

16 Q. THAT WAS IN 1974?

17 A. 1974. THAT WAS BETWEEN JANUARY AND
18 SEPTEMBER.

19 MR. VASSALOTTI: I HAVE
20 NOTHING FURTHER, DOCTOR, THANK YOU.

21 THE COURT: ANY REDIRECT?

22 MR. RENNEISEN: I MAY HAVE
23 JUST ONE QUESTION.

24 REDIRECT EXAMINATION BY MR. RENNEISEN.

25 BY MR. RENNEISEN:

21110078

1 Q. DOCTOR, AT THE END OF YOUR
2 CROSS-EXAMINATION THERE, YOU WERE ASKED ABOUT
3 AN E.P.A. REGULATION THAT REDUCED EMISSIONS
4 FROM POLYVINYLCHLORIDE PLANTS. DO YOU KNOW
5 THE DATE OF THAT REGULATION?

6 A. NO, I DON'T.

7 Q. DO YOU KNOW -- IT'S BEEN TESTIFIED
8 TO EARLIER IN THIS CASE THAT THE ONE PART PER
9 MILLION WORK PLACE REQUIREMENT WAS, I THINK,
10 IN LATE 74; WAS THE OTHER REGULATION BEFORE
11 OR AFTER THAT?

12 A. I THINK IT WAS AFTER.

13 MR. RENNEISEN: I HAVE NO
14 FURTHER QUESTIONS.

15 THE COURT: ANYTHING FURTHER.

16 MR. VASSALOTTI: NOTHING,
17 YOUR HONOR.

18 THE COURT: ALL RIGHT. THANK
19 YOU VERY MUCH, DOCTOR. YOU MAY STEP DOWN.

20 ANY FURTHER WITNESSES?

21 MR. RENNEISEN: I HAVE NO
22 FURTHER WITNESSES. I HAVE EXHIBITS TO OFFER
23 INTO EVIDENCE, BUT I BELIEVE AFTER THE JURY
24 IS DISMISSED.

25 THE COURT: WITH THE

2110073

1 EXCEPTION OF INTRODUCING SUCH EXHIBITS, THE
2 DEFENSE RESTS; IS THAT CORRECT?.

3 MR. RENNEISEN: YES, YOUR
4 HONOR.

5 THE COURT: DO YOU HAVE ANY
6 REBUTTAL?

7 MR. VASSALOTTI: NOTHING,
8 YOUR HONOR.

9 THE COURT: ALL RIGHT, SO YOU
10 HAVE RESTED.

11 MR. VASSALOTTI: RESTED.

12 THE COURT: LADIES AND
13 GENTLEMEN, I THINK WHAT WE'LL DO IS THIS:
14 THIS CASE, FOR ALL INTENTS AND PURPOSES AS
15 FAR AS TESTIMONY AND EVIDENCE IS CONCERNED,
16 IS FINISHED. NOW, THERE ARE CERTAIN LEGAL
17 ARGUMENTS I MUST HEAR AND CERTAIN PROCEDURES
18 I MUST FOLLOW AT THIS TIME. AND THAT MAY TAKE
19 SOME TIME. SO RATHER THAN FIND YOURSELVES IN
20 THE POSITION OF HAVING SUMMATIONS AND CHARGE
21 LATE IN THE DAY, I'M GOING TO EXCUSE YOU FOR
22 THE BALANCE OF THE DAY AND WE'LL COME BACK
23 TOMORROW MORNING AT 9:30, AT WHICH TIME THERE
24 WILL BE SUMMATIONS BY COUNSEL, FOLLOWED BY MY
25 CHARGE AS TO THE LAW AND THEN YOU WILL THEN

2110080

1 HAVE THE CASE AND WILL TAKE THE CASE WITH YOU
2 TO THE JURY DELIBERATION ROOM AND DELIBERATE
3 UNTIL YOU HAVE REACHED A DETERMINATION. SO,
4 TOMORROW WILL BE A REAL WORKING DAY. OUR WORK
5 HAS STOPPED AFTER TOMORROW AND YOUR WORK
6 REALLY BEGINS. SO, I THINK THAT THIS IS THE
7 BEST COURSE OF ACTION TO FOLLOW.

8 NOW, LADIES AND GENTLEMEN, AS
9 I HAVE CAUTIONED YOU BEFORE AND WILL CONTINUE
10 TO -- WELL, NO LONGER, BUT AS I'VE
11 CAUTIONED YOU BEFORE, UNTIL THIS CASE IS
12 SUBMITTED TO YOU FOR YOUR DELIBERATIONS, YOU
13 MUST NOT DISCUSS THIS CASE WITH ANYONE OR
14 REMAIN WITHIN HEARING OF ANYONE DISCUSSING IT.
15 NEITHER SHOULD YOU READ ANY NEWSPAPER ARTICLE,
16 LISTEN TO RADIO BROADCAST NOR VIEW ANY
17 TELEVISION PROGRAM THAT DISCUSSES THIS CASE.

18 IF, HOWEVER, YOU SHOULD
19 BECOME AWARE OF ANY SUCH STORY, ARTICLE OR
20 NEWS REPORT, YOU ARE TO REPORT THAT MATTER TO
21 ME IN MY CHAMBERS AT YOUR EARLIEST
22 OPPORTUNITY SO THAT WE MAY DISCUSS IT.

23 NEEDLESS TO SAY, SHOULD YOU
24 HAVE SUCH EXPOSURE, YOU SHOULD NOT MENTION
25 THAT FACT TO ANY OF YOUR FELLOW JURORS. YOU

21110081

1 ARE TO KEEP AN OPEN MIND AND YOU MUST NOT
2 DECIDE ANY ISSUE IN THIS CASE UNTIL THE CASE
3 IS SUBMITTED TO YOU FOR YOUR DELIBERATIONS
4 UNDER THE INSTRUCTIONS OF THE COURT.

5 NOW, WE'RE AT A VERY CRITICAL
6 STAGE IN THIS CASE, SO, PLEASE, I WANT TO
7 EMPHASIZE AGAIN, DON'T DISCUSS THIS WITH
8 ANYONE, NOT EVEN AMONGST YOURSELVES. BECAUSE
9 THE TIME TO DISCUSS THE CASE AMONGST
10 YOURSELVES IS IN JURY ROOM WITH ALL OF YOU
11 TOGETHER AND AFTER YOU HAVE HEARD THE
12 SUMMATIONS AND MY CHARGE. SO, WITH THAT, I'LL
13 EXCUSE YOU UNTIL TOMORROW MORNING AT 9:30.

14 (AT WHICH TIME THE JURY
15 LEAVES THE COURTROOM.)

16 THE COURT: ALL RIGHT.

17 MR. RENNEISEN: I'LL TAKE THE
18 EXHIBITS IN ORDER, YOUR HONOR, I THINK.

19 THE COURT: YES.

20 MR. RENNEISEN: YOUR HONOR,
21 D-1 IS A SCETCH I MADE SHOWING WIND
22 DIRECTIONS WHICH WAS PREPARED DURING THE
23 CROSS-EXAMINATION OF PROFESSOR PESKIN.

24 THE COURT: ANY OBJECTION TO
25 D-1?

21110082

1 MR. VASSALOTTI: NO, I HAVE
2 NO OBJECTION.

3 THE COURT: IN EVIDENCE
4 (D-1 IS MARKED IN EVIDENCE.)

5 MR. RENNEISEN: D-2 IS
6 ANOTHER SKETCH I MADE SHOWING WIND DIRECTION
7 DURING THE EXAMINATION OF PROFESSOR PESKIN.

8 THE COURT: ANY OBJECTION?

9 MR. VASSALOTTI: NO OBJECTION.

10 THE COURT: IN EVIDENCE
11 (D-2 IS MARKED IN EVIDENCE.)

12 MR. RENNEISEN: D-3 IS
13 PROFESSOR PESKIN'S REPORT WHICH I WITHDRAW.

14 D-4 IS AN ARTICLE THAT NEVER
15 GOT A STICKER ON IT BECAUSE THE ONLY COPY I
16 HAD WAS UNDERLINED AND I HAVE NOT BEEN ABLE
17 TO LOCATE A CLEAN COPY OF IT. IT IS A REPORT
18 THAT I WAS USING WHEN I CROSS-EXAMINED DOCTOR
19 EPSTEIN BY PETER BAXTER PRINTED IN THE
20 BRITISH MEDICAL JOURNAL, OCTOBER, 1977. I
21 COULD PUT A STICKER ON IT.

22 MR. VASSALOTTI: YOU INTEND
23 TO OFFER THAT INTO EVIDENCE?

24 MR. RENNEISEN: YES, I DO.

25 MR. VASSALOTTI: I OBJECT TO

21110083

1 THAT, YOUR HONOR.

2 THE COURT: SHOW ME THAT RULE
3 THAT SAYS I CAN PUT THAT IN EVIDENCE.

4 MR. RENNEISEN: MY
5 EXPLANATION FOR IT, WHY IT GOES INTO EVIDENCE
6 IS BECAUSE THERE WAS A DIFFERENCE OF OPINION
7 BETWEEN ME AND DOCTOR EPSTEIN AS TO WHAT THE
8 REPORT SAYS.

9 THE COURT: WELL, THAT
10 HAPPENS ALL THE TIME.

11 MR. VASSALOTTI: AND THAT IS
12 ON THE RECORD.

13 MR. RENNEISEN: AND HE STATED
14 THERE WERE CERTAIN CONCLUSIONS FROM THE
15 REPORT WHICH I THINK ARE DIFFERENT FROM THE
16 LANGUAGE CONTAINED IN THE REPORT AND I THINK
17 THE JURY SHOULD HAVE THE RIGHT TO LOOK AT THE
18 LANGUAGE IN THE REPORT AND SEE --

19 THE COURT: YOU SHOW ME THE
20 FEDERAL RULE OF EVIDENCE THAT SAYS I CAN
21 ADMIT IT. IN THE MEANTIME, I DENY YOUR
22 APPLICATION UNTIL YOU CAN SHOW ME UNDER THE
23 FEDERAL RULES THAT IS ADMISSIBLE. YOU POINT TO
24 THE SPECIFIC RULE. YOU CAN USE IT FOR
25 CROSS-EXAMINATION, NO QUESTION ABOUT THAT.

21110084

1 BUT CERTAINLY IT'S A BIG QUESTION IN MY MIND
2 WHETHER IT CAN BE ADMITTED AS AN EXHIBIT.

3 MR. RENNEISEN: WELL, IT
4 SEEMS TO ME IF YOU CAN USE IT FOR
5 CROSS-EXAMINATION, I CAN POINT OUT AN
6 INCONSISTENCY BETWEEN DOCTOR EPSTEIN'S
7 TESTIMONY AND THE REPORT, THE JURY SHOULD BE
8 ALLOWED TO DETERMINE WHETHER OR NOT THERE IS
9 AN INCONSISTENCY. I'M GOING TO GIVE YOU THE
10 OPPORTUNITY. YOU CHECK THE RULE AND YOU SHOW
11 ME. WE'LL HOLD THAT IN ABEYANCE.

12 MR. RENNEISEN: ALL RIGHT.
13 D-5 IS A CHART ENTITLED "ANNUAL AVERAGE
14 EXPOSURE LEVELS DUE TO EMISSIONS FROM THE
15 B.F. GOODRICH PLANT, MODEL AND DATA BASE
16 COMPARISON" PREPARED BY --

17 THE COURT: ANY OBJECTION?

18 MR. VASSALOTTI: NO OBJECTION.

19 THE COURT: D-5 IN EVIDENCE.

20 (D-5 IS MARKED IN EVIDENCE.)

21 MR. RENNEISEN: D-6 IS
22 ANOTHER CHART PREPARED BY MR. GUTREUND,
23 ANNUAL AVERAGE VINYL CHLORIDE EXPOSURE OF MR.
24 GRASSO DUE TO EMISSIONS FROM THE B.F.
25 GOODRICH PLANT.

21110065

1 THE COURT: ANY OBJECTION..

2 MR. VASSALOTTI: NO OBJECTION.

3 THE COURT: IN EVIDENCE.

4 (D-5 IS MARKED IN EVIDENCE.)

5 MR. RENNEISEN: D-7 IS ON THE

6 BACK OF THIS BOARD AND IS ENTITLED "SHORT

7 TERM EPISODIC CONCENTRATIONS AT GRASSO

8 RESIDENCE CALCULATED BY RAMR." SHALL I TURN

9 IT AROUND FOR YOU MR. VASSALOTTI.

10 MR. VASSALOTTI: NO, I HAVE

11 NO OBJECTION.

12 THE COURT: IN EVIDENCE.

13 D-7 IS (MARKED IN EVIDENCE.)

14 MR. RENNEISEN: NOW, THE LAST

15 TWO DRAWINGS WHICH WERE MADE HAVE NEVER BEEN

16 MARKED. ONE WAS MADE BY -- I GUESS THEY

17 WERE BOTH MADE BY MR. GUTFREUND. I'LL CALL

18 THE FIRST ONE EIGHT, WHICH HE WAS USING TO

19 ILLUSTRATE THE PLUME OF A -- OF AN EMISSION

20 FROM A -- FROM A STACK, AND NUMBER NINE

21 --

22 THE COURT: FIRST OF ALL, ANY

23 OBJECTION TO D-8.

24 MR. VASSALOTTI: NO OBJECTION.

25 THE COURT: D-8 IN EVIDENCE.

2110086

1 (D-9 IS MARKED IN EVIDENCE.)

2 MR. RENNEISEN: NUMBER NINE

3 IS ANOTHER SKETCH MADE BY MR. GUTFREUND

4 SHOWING WIND DIRECTIONS AND ALSO SHOWING THE

5 CHARACTERISTICS OF A PLUME WHEN YOU LOOK DOWN

6 ON IT FROM ABOVE.

7 MR. VASSALOTTI: NO OBJECTION.

8 THE COURT: D-9 IN EVIDENCE

9 (D-9 IS MARKED IN EVIDENCE.)

10 THE COURT: DOES THAT TAKE

11 CARE OF IT."

12 MR. RENNEISEN: YES, YOUR

13 HONOR.

14 THE COURT: IS THERE ANYTHING

15 FURTHER AT THIS TIME, GENTLEMEN.

16 MR. VASSALOTTI: YOUR HONOR,

17 ONLY THAT IF MR. RENNEISEN FINDS THE BASIS TO

18 ADMIT THE -- IS IT THE BAXTER ARTICLE, I'M

19 SORRY, MR. RENNEISEN, YOU WANT THE BAXTER

20 ARTICLE ADMITTED?

21 MR. RENNEISEN: YES.

22 MR. VASSALOTTI: I WOULD LIKE

23 AN OPPORTUNITY TO OFFER IN REBUTTAL THE TWO

24 REPORTS THAT I OFFERED DOCTOR TAMBURRO WITH

25 WHICH HE DISAGREED.

2110087

1 THE COURT: WELL, MY HOLDING
2 AT THE PRESENT TIME IS I DENIED HIS
3 APPLICATION. I ALLOWED HIM THE OPPORTUNITY OF
4 SHOWING TO ME UNDER WHAT RULE OF EVIDENCE HE
5 ASSERTS I SHOULD ADMIT IT.

6 MR. VASSALOTTI: WELL, JUDGE
7 WHATEVER RULING HE SAYS --

8 THE COURT: WHAT'S SAUCE FOR
9 THE GOOSE IS SAUCE FOR THE GANDER IS WHAT
10 YOU'RE TELLING ME.

11 MR. VASSALOTTI: RIGHT, JUDGE..

12 THE COURT: ALL RIGHT. SO,
13 I'LL WAIT TO HEAR -- THE BALL IS RIGHT NOW
14 IS IN MR. RENNEISEN'S HANDS.

15 MR. RENNEISEN: THANK YOU,
16 I'LL JUGGLE WITH IT.

17 THE COURT: NOW, IS THERE
18 ANYTHING ELSE AT THIS POINT?.

19 MR. RENNEISEN: NO, SIR.

20 THE COURT: ALL RIGHT. NOW
21 ABOUT IF WE DO THIS, GENTLEMEN? LET'S HAVE
22 LUNCH AND IF YOU'LL COME BACK AT 1:30, I'D
23 LIKE TO SEE YOU IN MY CHAMBERS AND WE'LL GET
24 INTO THE RULE 51 HEARING WITH RESPECT TO THE
25 CHARGE.

21110083

1 MR. VASSALOTTI: VERY GOOD,
2 JUDGE.

3 THE COURT: ARE THERE ANY
4 MOTIONS?

5 MR. RENNEISEN: I HAVE A
6 MOTION FOR DIRECTED VERDICT UNDER RULE 50
7 SUBMITTED TO THE COURT. I'LL GIVE YOU TWO
8 COPIES.

9 THE COURT: JUST WAIT ONE
10 SECOND.

11 ALL RIGHT. I'LL HEAR YOUR
12 MOTION.

13 MR. RENNEISEN: YOUR HONOR,
14 THE FACTUAL BASIS FOR THE MOTION FOR A
15 DIRECTED VERDICT IS -- WAS SUMMARIZED WHEN
16 I MADE A MOTION FOR DIRECTED VERDICT AT THE
17 END OF THE PLAINTIFF'S CASE. DURING THE
18 COURSE OF DEFENDANT'S CASE, IT HAS BECOME, I
19 THINK, QUITE CLEAR THAT WITH RESPECT TO
20 NEGLIGENCE, THERE SIMPLY IS NONE.

21 THE COURT: IN OTHER WORDS,
22 YOU'RE REPEATING THE SAME ARGUMENTS YOU MADE
23 AT THE CONCLUSION --

24 MR. RENNEISEN: AND I DON'T
25 REALLY WANT TO BURDEN THE COURT WITH --

2110089

1 THE COURT: -- OF THE
2 PLAINTIFF'S CASE.

3 MR. RENNEISEN: EXCEPT FOR
4 THE FACT THAT THERE IS NOTHING IN DEFENDANT'S
5 CASE WHICH IN ANY WAY CHANGES THE ARGUMENT
6 THAT I MADE PREVIOUSLY, AND IN FACT, BOLSTERS
7 THOSE ARGUMENTS WITH RESPECT TO NEGLIGENCE
8 AND THE ACTIVITY CONDUCTED AT THE P.F.
9 GOODRICH PLANT.

10 THE COURT: ALL RIGHT. EXCEPT,
11 OF COURSE, THE COURT HAS HEARD TESTIMONY
12 WHICH IT DID NOT HAVE AT THE TIME IT
13 CONSIDERED THE PLAINTIFF'S -- THE MOTIONS
14 MADE AT THE CONCLUSION OF THE PLAINTIFF'S
15 CASE.

16 MR. RENNEISEN: THAT'S TRUE.
17 THERE'S BEEN ADDITIONAL TESTIMONY. BUT THAT
18 ADDITIONAL TESTIMONY, I THINK, STRENGTHENS
19 THE DEFENDANT'S POSITION, IF WE'RE GOING TO
20 TALK ABOUT NEGLIGENCE. IT'S CLEAR NOW THAT
21 THE -- THE PLANT WAS DESIGNED AND
22 CONSTRUCTED BY COMPETENT, QUALIFIED PEOPLE
23 WHO TOOK INTO CONSIDERATION INDUSTRY
24 STANDARDS AT THE TIME, WHAT WAS KNOWN ABOUT
25 TOXICITY AND, IN FACT, THE PLANT HAS BEEN

2110090

1 GRANTED NUMEROUS PERMITS BY THE ENVIRONMENTAL
2 PROTECTION AGENCY OF THE STATE OF NEW JERSEY

3 ---

4 THE COURT: ISN'T THAT AN
5 ISSUE FOR THE JURY TO DETERMINE?

6 MR. VASSALOTTI: JUDGE, WE
7 DON'T CONTEND THAT THE PLANT WAS NEGLIGENT
8 WHEN BUILT. WE DIDN'T PUT IN ANY PROOF THAT
9 THE PLANT WAS NOT BUILT OR WAS BUILT IN A
10 NEGLIGENT FASHION. MR. PENNEISEN SAYS IT'S
11 TRUE, IT WAS BUILT -- .

12 THE COURT: YOU'RE TALKING
13 ABOUT NEGLIGENT OPERATION, AREN'T YOU.

14 MR. VASSALOTTI: WE'RE
15 TALKING ABOUT --

16 THE COURT: WHAT IS YOUR
17 BASIC NEGLIGENCE CLAIM? WHAT DO YOU SAY WAS
18 THE NEGLIGENCE OF THE GOODRICH COMPANY?

19 MR. VASSALOTTI: THAT THE
20 GOODRICH COMPANY FAILED TO CONDUCT ANY TESTS
21 OR STUDIES REGARDING THE CARCINOGENICITY OR
22 TOXICITY OF VINYL CHLORIDE, EVEN THOUGH THEY
23 KNEW UNTIL THE CONSTRUCTION OF THIS PLANT
24 THAT MR. SIXBY BUILT AND WHICH HE TESTIFIED
25 TO, EVEN THOUGH THEY KNEW THAT THE VINYL

21110091

1 CHLORIDE WAS GOING TO BE EMITTED AND WOULD
2 CARRY OVER THE FENCE LINE.

3 THE COURT: THAT IS THE SOLE
4 BASIS OF YOUR CLAIM FOR NEGLIGENCE; IS THAT
5 CORRECT?

6 MR. VASSALOTTI: I BELIEVE SO.

7 THE COURT: IN OTHER WORDS,
8 NOT FAILURE TO CONDUCT STUDIES BEFORE THEY
9 BUILT THE PLANT.

10 MR. VASSALOTTI: OH, YEAH,
11 THAT'S IT, THAT THEY FAILED TO CONDUCT THOSE
12 STUDIES. THEY FAILED TO CONDUCT ANY TESTS
13 BEFORE OR AFTER. THEY JUST WENT AHEAD AND DID
14 IT.

15 THE COURT: IN OTHER WORDS,
16 YOU'RE SAYING THAT R.F. GOODRICH WAS
17 NEGLIGENT IN NOT CONDUCTING PROPER TESTS OR
18 STUDIES EITHER BEFORE IT OPENED THE
19 POLYVINYLCHLORIDE PLANT IN PEDRICKTOWN OR
20 AFTER THE OPENING OF THE PLANT; IS THAT
21 CORRECT?

22 MR. VASSALOTTI: THAT'S RIGHT,
23 CANCER CAUSING OR TOXIC OR ANY HARMFUL
24 EFFECTS.

25 THE COURT: NOW, THESE TESTS

21110092

1 ARE SUFFICIENTLY BROAD. NOW, ARE YOU ALSO
2 INCLUDING WITHIN THEM, THE FAILURE TO MONITOR
3 THE AIR IN THE AREA.

4 MR. VASSALOTTI: I'M SORRY.

5 THE COURT: I MEAN, I'M
6 READING FROM THE ALLEGATIONS OF THE PLEADINGS
7 AND THOSE SET FORTH IN YOUR TRIAL MEMORANDUM.

8 MR. VASSALOTTI: I'M, SORRY,
9 YOUR HONOR.

10 THE COURT: ARE YOU AND I ON
11 THE SAME WAVELENGTH OR HAVE WE DEPARTED
12 SOMEWHAT.

13 MR. VASSALOTTI: THERE ARE
14 ADDITIONAL CONTENTIONS THAT ARE SOMEWHAT
15 RELATED. THE ONE IS THAT THEY DIDN'T MONITOR
16 IN THE RESIDENTIAL AREAS. THE OTHER IS THAT
17 THEY NEVER WARNED OR ADVISED THE PEOPLE OF
18 PEDRICKTOWN AS TO WHAT WAS BEING EMITTED OR
19 ITS CANCER CAUSING --

20 THE COURT: THE FACT REMAINS
21 YOU'RE NOT MAKING ANY CLAIM THAT THE
22 DEFENDANT WAS NEGLIGENT IN ANY WAY IN THE
23 CONSTRUCTION -- IN THE PLANNING OR
24 CONSTRUCTION OF THAT PLANT.

25 MR. VASSALOTTI: UNLESS YOU

2110093

1 CONSIDER PLACING A MONITOR IN THE RESIDENTIAL
2 AREAS AS PART OF THE PLANNING AND
3 CONSTRUCTION OF THE PLANT.

4 THE COURT: ALL RIGHT.

5 DR. VASSALOTTI: I AGREE WITH
6 THAT.

7 THE COURT: ALL RIGHT. YOU
8 MAY CONTINUE, MR. RENNEISEN.

9 MR. RENNEISEN: WITH RESPECT
10 TO WARNING THEM, I'LL LIMIT MY DISCUSSION TO
11 THOSE -- WHAT I UNDERSTAND TO BE THREE
12 THEORIES: ONE, NO TESTS WERE CONDUCTED TO
13 DETERMINE CARCINOGENICITY. THEY DIDN'T
14 MONITOR FROM 1970 TO 1976 AND SOMEHOW, THEY
15 DIDN'T WARN THE PEOPLE IN THE PEDRICKTOWN
16 AREA.

17 SINCE THIS IS -- THIS IS A
18 NEGLIGENCE COUNT, WE'RE TALKING ABOUT WHAT IS
19 REASONABLE AND PRUDENT UNDER THE
20 CIRCUMSTANCES. IN 1970, PLAINTIFF'S CASE HAS
21 SHOWN -- PLAINTIFF'S CASE HAS SHOWN FROM
22 1949 TO 1970, THE ONLY KNOWLEDGE ABOUT THE
23 HARMFUL EFFECTS OF VINYL CHLORIDE WERE, ONE,
24 TOXICITY AND, TWO, TO PEOPLE IN THE WORK
25 PLACE. NOT ONLY WAS THERE NO KNOWLEDGE OF

2110094

1 CANCER, THERE WAS NO KNOWLEDGE OF ANY HARMFUL
2 EFFECTS WHATSOEVER TO ANYBODY SOMEPLACE ELSE.
3 SO, IT WOULD SEEM TO ME THAT IT IS NEITHER
4 REASONABLE, SENSIBLE, PRUDENT OR ANY OTHER
5 WORD YOU USE TO DESCRIBE NEGLIGENCE TO FAIL
6 TO TEST FOR THE EFFECTS OF -- ON THAT
7 SUBSTANCE ON PEOPLE AT A DISTANCE,
8 PARTICULARLY WHEN THE SUBSTANCE HAD BEEN
9 EXPERIMENTED WITH BY THE MEDICAL PROFESSION
10 AS AN ANESTHETIC WITHOUT ANY CANCEROUS SIDE
11 EFFECTS, PARTICULARLY WHEN IT WAS BEING USED
12 AS A PROPELLANT IN SPRAY CANS ALL OVER THE
13 COUNTRY AND WITH KNOWLEDGE THAT IN THE
14 MANUFACTURING PROCESS, THE ONLY TOXICITY THAT
15 THERE WAS A CONCERN ABOUT WAS THE
16 ACROOSTEOLYSIS, PLUS EXPLOSION AND DROWSINESS,
17 ALL OF WHICH WERE TAKEN CARE OF WHEN THE
18 PLANT WAS DESIGNED. SO THAT I DON'T THINK
19 THAT IT'S REASONABLE TO EXPECT THAT KIND OF A
20 TEST.

21 THE SAME ARGUMENT GOES TO THE
22 MONITORING. IF THERE'S NO REASON TO EXPECT A
23 DANGER, THERE'S NO REASON -- AND REASON TO
24 MONITOR. AND THE SAME GOES TO WARNINGS. IF
25 THERE'S NO REASON TO EXPECT A DANGER, THERE

2110095

IS NO DUTY TO WARN. FURTHERMORE, THE WERE
FACT THAT MRS. GRASSO STILL LIVES IN
PEORICKTON OBVIOUSLY SHOWS THAT THE FAILURE
TO WARN IS NOT THE APPROXIMATE CAUSE OF
ANYTHING. SHE HAS --
MR. VASSALOTTI: I OBJECT TO
THAT, YOUR HONOR.
MR. PENNIESEN: SHE HAS KNOWN
KNOWN ABOUT --
MR. VASSALOTTI: I TAKE
EXCEPTION TO THAT BECAUSE HE IMPLIES THAT
MRS. GRASSO IS FINANCIALLY ABLE TO MOVE
WHEREVER SHE WANTS TO MOVE. AND THAT MAY NOT
BE THE CASE.
THE COURT: WELL, EITHER WAY,
YOU GIVE ME THAT ARGUMENT AFTER HE'S FINISHED.
MR. VASSALOTTI: WELL, YOUR
HONOR, THAT REALLY HAS NOTHING TO DO WITH THE
CASE.

THE COURT: IT MAY OR MAY NOT,
BUT THAT'S FOR YOU TO RESPOND TO IT.
MR. PENNIESEN: IN ANY EVENT,
THE DUTY TO WARN RELATES TO KNOWLEDGE OF A
DANGER ABOUT WHICH THE WARNING SHOULD BE
GIVEN AND CLEARLY THERE WAS NO REASON FOR THE

25
24
23
22
21
20
19
18
17
16
15
14
13
12
11
10
9
8
7
6
5
4
3
2
1

1 B. F. GOODRICH COMPANY TO KNOW THAT VINYL
2 CHLORIDE UNDER ANY CIRCUMSTANCES WOULD HAVE A
3 HARMFUL EFFECT ON PEOPLE IN THE VICINITY. SO,
4 THAT'S -- THAT'S THE BASIC ARGUMENT WITH
5 RESPECT TO NEGLIGENCE.

6 THE REST OF MY ARGUMENT I
7 WILL BE GLAD TO DEFER AT THE POINT WHERE
8 WE'RE TALKING ABOUT THE CHARGE TO THE JURY
9 BECAUSE IT RELATES TO LEGAL THEORY UNDER
10 WHICH THE CASE IS SUBMITTED TO THE JURY OTHER
11 THAN NEGLIGENCE. IF NUISANCE IS STILL IN THE
12 CASE, THE WAY I READ THE RESTATEMENT IS THAT
13 THE CONDUCT MUST BE INTENTIONAL AND
14 UNREASONABLE AND UNREASONABLE IS A NEGLIGENCE
15 CONCEPT AND THE SAME WOULD RELATE TO NUISANCE
16 AS RELATES TO THE -- THE OPERATION OF THE
17 PLANT.

18 IF WE'RE TALKING ABOUT THE
19 CITY OF THE BRIDGETON THEORY AND THE THEORY
20 THAT'S SET FORTH IN SECTION 519 OF THE
21 RESTATEMENT OF TORTS, IT IMPOSES LIABILITY ON
22 AN ABNORMALLY DANGEROUS ACTIVITY. IT SEEMS TO
23 ME THAT THE CONSTRUCTION AND OPERATION OF A
24 POLYVINYLCHLORIDE PLANT HAS NOT BEEN PROVED
25 TO BE AN ABNORMALLY DANGEROUS ACTIVITY, EVEN

21110097

1 IF YOU ACCEPT THE PREMISE THAT MR. GRASSO IS
2 A UNIQUE CASE AND VERY, VERY SUSCEPTIBLE TO
3 CANCER AND, IN FACT, HIS DISEASE WAS CAUSED
4 BY THE PLANT. I STILL DO NOT BELIEVE THAT THE
5 ACTIVITY OF THE B. F. GOODRICH COMPANY IS
6 ABNORMALLY DANGEROUS SIMPLY BECAUSE OF A
7 SINGLE RESULT.

8 THE COURT: HOW ABOUT THE
9 EMISSION, THE EMISSION OF THE VINYL CHLORIDE,
10 THE ALLOWANCE OF THAT EMISSION?

11 MR. RENNEISEN: BASED ON ALL
12 THE KNOWLEDGE, MY POSITION IS THAT IS NOT AN
13 ABNORMALLY DANGEROUS CONDITION.

14 THE COURT: ISN'T VINYL
15 CHLORIDE AN ABNORMALLY DANGEROUS SUBSTANCE?

16 MR. RENNEISEN: VINYL
17 CHLORIDE -- VINYL CHLORIDE IS A HYDROCARBON
18 AND AS SUCH, IT'S PROBABLY LIKE GASOLINE AND
19 ABNORMALLY DANGEROUS IF IT EXPLODES.

20 THE COURT: HOW ABOUT IF
21 IT'S INHALED BY ITSELF IN ITS PURE STATE,
22 IT'S INHALED? HASN'T THERE BEEN ENOUGH
23 TESTIMONY HERE TO INDICATE THAT IT'S
24 DANGEROUS?

25 MR. RENNEISEN: IN ITS PURE

2110098

1 STATE INHALED, IT COULD MAKE YOU DIZZY, IT.
2 COULD KILL YOU. SO COULD OXYGEN IF YOU
3 BREATHE ENOUGH OF IT. BUT IN THE AMOUNTS
4 BEING EMITTED IN 1972 OR TODAY, THERE IS NO
5 EVIDENCE THAT THERE IS -- THAT IT'S
6 ABNORMALLY DANGEROUS OR IN THE LANGUAGE OF
7 S20, THAT THERE'S AN EXISTENCE OF A HIGH RISK
8 OF HARM.

9 THE COURT: WELL, ISN'T THAT
10 SOMETHING FOR THE JURY TO DECIDE? ISN'T THAT
11 A QUESTION HERE PRESENTED BY THE TESTIMONY? I
12 MEAN, ONE SIDE SAYS ONE THING, ANOTHER SIDE
13 SAYS ANOTHER. HIS EXPERTS DON'T AGREE WITH
14 YOURS AND YOURS DON'T AGREE WITH HIS. DOESN'T
15 THAT PRESENT A JURY QUESTION? DOESN'T THE
16 JURY HAVE TO FIND THIS?

17 THE COURT: YOUR HONOR, THERE
18 ARE THREE ALTERNATIVES. YOU FIND IT -- YOU
19 FIND THE ACTION -- YOU FIND THAT THERE IS
20 OR THERE ISN'T OR THE JURY FINDS IT. AND IF
21 -- AND I THINK THAT UNDER THE FACTS OF THE
22 CASE, THERE IS SUFFICIENT EVIDENCE FOR YOU TO
23 FIND -- FIND THAT'S NOT ABNORMALLY
24 DANGEROUS. BUT THE ALTERNATIVE IS TO HAVE THE
25 CASE SUBMITTED TO THE JURY ON THAT BASIS,

2110099

1 AS A MAJOR MANUFACTURER OF CHEMICAL PRODUCTS,
2 AS A MANUFACTURER WHO KNOWS THAT THEIR PLANT
3 IS CONSTRUCTED IN SUCH A WAY THAT THIS
4 MATERIAL IS GOING TO GET OUT, TO CONDUCT
5 TESTS AND STUDIES TO DETERMINE WHETHER IT'S
6 GOING TO BE HARMFUL TO ANYBODY. AS A MATTER
7 OF FACT, TESTS AND STUDIES WERE DONE LATER
8 WHICH SHOWED THAT IT WAS HARMFUL. THERE IS
9 EVIDENCE IN THE CASE THAT THE METHODS THAT
10 WERE USED TO CONDUCT THOSE TESTS AFTER THIS
11 WERE AVAILABLE BEFORE. THERE'S INDICATIONS
12 -- I'M SORRY.

13 THERE'S TESTIMONY IN THE CASE
14 REGARDING THE AVAILABILITY OF MONITORS TO
15 MONITOR THIS MATERIAL TO SEE IF THERE WAS ANY
16 HEALTH HAZARD. THE GOODRICH COMPANY DID
17 NOTHING AND AS MR. RENNEISEN POINTED OUT, YOU
18 HAVE TO MEASURE THEIR CONDUCT -- THE
19 CONDUCT OF THE GOODRICH COMPANY IN LIGHT OF
20 WHAT A REASONABLE PERSON IN THEIR POSITION
21 WOULD HAVE DONE.

22 WELL, WE'RE NOT TALKING ABOUT
23 A REASONABLE PERSON, WE'RE TALKING ABOUT A
24 CHEMICAL COMPANY. AND THE LAW AS WE CITE IN
25 OUR BRIEF AND I HAVE IN REQUEST FOR CHARGE,

2110101

1 MEASURES THE REASONABLENESS OF CONDUCT BY
2 ONE'S POSITION OR ONE'S KNOWLEDGE OR ACCESS
3 TO KNOWLEDGE REGARDING THE GIVEN HAZARD. AND
4 I THINK IN THIS CASE, IT'S CLEARLY A JURY
5 QUESTION AS TO WHETHER GODDRICH CONDUCTED
6 ITSELF IN A REASONABLE MANNER IN CONSTRUCTING
7 THIS PLANT, ALLOWING THE EMISSIONS TO COME
8 OUT WITHOUT HAVING DONE ANY GROUNDWORK,
9 TESTING OR STUDIES TO DETERMINE IF IT WOULD
10 CAUSE ANYONE ANY HARM.

11 NOW, THAT'S WITH RESPECT TO
12 NEGLIGENCE. AND THE SAME ARGUMENT APPLIES
13 WITH THE MONITOR AND THE FAILURE TO WARN. IN
14 1978, THEY KNEW THAT VIOLA FOUND THAT THE
15 MATERIAL CAUSED CANCER. THEY DIDN'T CONDUCT
16 ANY TESTS THEN EITHER. THEY DIDN'T PUT ANY
17 MONITORS OUT THEN TO DETERMINE HOW MUCH OF
18 THIS MATERIAL WAS GETTING OUT, EVEN THOUGH
19 THEY KNEW IT WAS GETTING OUT. THEY DIDN'T
20 TELL THE PEOPLE AT PEDRICKTOWN, "HEY, THIS
21 STUFF WE'RE PUTTING OUT HAS BEEN DETERMINED
22 TO CAUSE CANCER, BUT DON'T WORRY ABOUT IT."
23 THEY DIDN'T EVEN TELL THEM THAT TO ALLOW
24 PEOPLE LIKE MR. GRASSO TO TAKE WHATEVER
25 PROTECTIVE ACTION THEY WOULD HAVE LIKED TO

2110102

1 HAVE TAKEN AT THAT TIME. AND IT ALL STEMS
2 FROM THEIR LACK OF GROUNDWORK WHEN HE THEY
3 STARTED THIS WHOLE PROJECT. AND THAT'S WHAT
4 WE'RE CONTENDING THE CORE OF THEIR NEGLIGENCE
5 IS.

6 NOW, WITH REGARD TO THE
7 STRICT LIABILITY, I DISAGREE WITH MR.
8 RENNEISEN THAT THE RESTATEMENT RULINGS ARE
9 WHAT GOVERN HERE. I THINK THE CITY OF
10 BRIDGETON CASE AND THE P.S.D. CASE -- I
11 THINK THAT'S IT -- ADOPTED THE RULE OF
12 STRICT LIABILITY FOR A POLLUTER AND RECOGNIZE
13 AN ENVIRONMENTAL TORT AND I THOUGHT YOUR HONOR
14 IN YOUR RULING AT THE END OF PLAINTIFF'S CASE
15 HAD INDICATED THAT YOU BELIEVED THAT THE NEW
16 JERSEY SUPREME COURT WOULD EMBRACE SUCH A
17 DOCTRINE. AS UNDER THE RULES IN THOSE CASES,
18 THE ESTABLISHMENT OF, ONE, THAT VINYL
19 CHLORIDE IS A HAZARDOUS MATERIAL; TWO, IT
20 WAS EMITTED FROM THE PLANT; THREE, MR.
21 GRASSO WAS EXPOSED TO IT; AND, FOUR, THAT
22 EXPOSURE CAUSED HIS ANGIOSARCOMA OF THE LIVER
23 ARE THE ELEMENTS OF THE PLAINTIFFS CASE AND
24 THAT'S STRICT LIABILITY THEORY -- NOT THE
25 ELEMENTS IN RESTATEMENT 520 WHICH TALK ABOUT

2110103

1 WHETHER IT WAS REASONABLE TO KNOW OR -- FOR
2 GOOD REASON TO KNOW OF THIS MATERIAL BEING
3 DANGEROUS OR NOT. THAT'S NOT WHAT IS ADOPTED
4 IN THOSE TWO CASES. THE STRICT LIABILITY RULE
5 ADOPTED IN THOSE TWO CASES WAS TAKEN FROM THE
6 SAME PRINCIPLES AS STRICT LIABILITY DEVELOPED
7 IN THE PRODUCTS SITUATION; THAT IS, THE
8 MANUFACTURER OR THE PLANT OWNER IS IN BEST
9 POSITION TO KNOW WHAT'S COMING OUT OF HIS
10 PLANT AND TO TAKE CORRECTIVE ACTION REGARDING
11 THAT. IT IS ALWAYS IN THE BEST POSITION AND
12 CERTAINLY IS SOCIALLY RESPONSIBLE TO PAY FOR
13 ANY DAMAGE CAUSED BY HIS PRODUCT-MAKING
14 CHEMICAL PLANTS.
15 THE COURT: ALL RIGHT, NOW,
16 ARE YOU SAYING THAT THE -- THAT THE
17 MATERIAL WHICH WITH WE'RE CONCERNED ABOUT IS
18 EMISSION OF VINYL CHLORIDE GOODS OR POLYVINYL
19 -- POLYVINYL DUST.
20 MR. VASSALOTTI: WELL, YOUR
21 HONOR, WE'RE CONCERNED WITH THE VINYL
22 CHLORIDE GAS, BUT THE PVC DUST, I THINK
23 THERE'S BEEN CLEAR TESTIMONY FROM BOTH SIDES
24 CONTAINED WITHIN IT ENTRAPPED VINYL CHLORIDE
25 GAS WHICH COME OUT. WE'RE NOT SAYING THAT THE

21110103

1 PVC DUST ITSELF CAUSES CANCER, BUT THERE IS
2 TESTIMONY THAT IT WAS EMITTED, THAT IT RANGED
3 FROM ONE TO TEN MICRONS, THAT IT COULD HAVE
4 TRAVELED FROM THE DISTANCE -- WOULD HAVE
5 TRAVELED THE DISTANCE FROM THE -- FROM THE
6 PLANT TO THE HOME AND THAT IT WAS OF
7 RESPIRABLE SIZE AND WOULD GET ENTRAPPED IN
8 THE LUNGS. THERE IS ALSO TESTIMONY OF THE
9 LEVELS OF VINYL CHLORIDE THAT WERE CONTAINED
10 IN THAT DUST. SO, THAT'S THE ONLY
11 RELATIONSHIP OF THE PVC DUST.

12 THE COURT: IN OTHER WORDS,
13 THE VINYL CHLORIDE WAS CONTAINED WITHIN THE
14 DUST.

15 MR. VASSALOTTI: CORRECT.

16 THE COURT: AND THAT WAS
17 ALLEGEDLY INHALED.

18 MR. VASSALOTTI: THERE WAS
19 TESTIMONY THAT IT DIFFUSES OUT OF THE DUST.
20 AND --

21 THE COURT: IN THE ATMOSPHERE
22 BECOMES A GAS AND INHALED ALONG WITH THE AIR,
23 ISN'T THAT WHAT YOU'RE SAYING?

24 MR. VASSALOTTI: INHALED
25 ALONG WITH THE AIR OR IF YOU INHALE A PIECE

2110105

1 OF THE DUST ITSELF, IT'S GOING TO DISSEMINATE
2 IN YOUR BLOODSTREAM.

3 THE COURT: OKAY.

4 THE COURT: NEXT THEORY?

5 MR. VASSALOTTI: THE NUISANCE
6 THEORY.

7 THE COURT: YES.

8 MR. VASSALOTTI: YOUR HONOR,
9 I THINK THE CASE IS MADE OUT FOR THE NUISANCE
10 THEORY. HOWEVER, I THINK THAT SUBSTANTIALLY
11 OVERLAPS WHAT I PERCEIVE TO BE OUR THEORY
12 UNDER STRICT LIABILITY. AND DEPENDING ON
13 WHICH -- ON WHAT YOUR HONOR PERCEIVES THAT
14 THEORY TO BE, I'D BE WILLING TO FOREGO THE
15 NUISANCE THEORY TO THE JURY.

16 THE COURT: WELL, WE'LL
17 DISCUSS THAT IN MY CHAMBERS. ANYTHING FURTHER?

18 MR. VASSALOTTI: NOTHING
19 FURTHER.

20 THE COURT: YOUR MOTION IS
21 DENIED, MR. RENNEISEN, FOR THE REASONS SET
22 FORTH WHEN YOU MADE THE SAME MOTION AT THE
23 CONCLUSION OF PLAINTIFF'S CASE. THERE ARE
24 JURY QUESTIONS HERE THAT HAVE TO BE PRESENTED
25 TO THAT JURY.

2110106

1 ALL RIGHT, GENTLEMEN, WE'LL
 2 SEE YOU THEN -- I SEE SOME TIME'S GONE BY,
 3 SO LET'S MAKE IT QUARTER TO TWO INSTEAD OF
 4 1:50.

5
 6
 7
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25

21110107

C E R T I F I C A T E

I, P. LYNNE BELL, A NOTARY PUBLIC
AND CERTIFIED SHORTHAND REPORTER OF THE STATE
OF NEW JERSEY DO HEREBY CERTIFY THAT THE
FOREGOING IS A TRUE AND ACCURATE TRANSCRIPT
OF THE TESTIMONY AS TAKEN STENOGRAPHICALLY BY
AND BEFORE ME AT THE TIME, PLACE AND ON THE
DATE HEREINBEFORE SET FORTH.

I DO FURTHER CERTIFY THAT I AM
NEITHER A RELATIVE NOR EMPLOYEE NOR ATTORNEY
NOR COUNSEL OF ANY OF THE PARTIES TO THIS
ACTION, AND THAT I AM NEITHER A RELATIVE NOR
EMPLOYEE OF SUCH ATTORNEY OR COUNSEL AND THAT
I AM NOT FINANCIALLY INTERESTED IN THIS
ACTION.

P. LYNNE BELL, C.S.P.

NOTARY PUBLIC, STATE OF NEW JERSEY

MY COMMISSION EXPIRES 5/10/81

DATE: JANUARY 27, 1981

2110108

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T I O N

I, STEPHEN J. DANER, OFFICIAL
COURT REPORTER FOR THE UNITED STATES DISTRICT
COURT, FOR THE DISTRICT OF NEW JERSEY, DO
HEREBY CERTIFY THAT THE FOREGOING MATTER IS A
TRUE AND ACCURATE TRANSCRIPT OF MY
STENOGRAPHIC NOTES TAKEN AT THE TIME AND
PLACE HEREINBEFORE SET FORTH.



STEPHEN J. DANER, CP, RPR
OFFICIAL U. S. REPORTER

DATE: 1-27-81

2110109

1 MR. RENNEISEN: DOCTOR
2 TAMBURRO.

3 [REDACTED] PREVIOUSLY SWORN.
4 DIRECTION EXAMINATION.

5 MR. RENNEISEN: MAY I PROCEED,
6 YOUR HONOR.

7 THE COURT: YES, SIR.

8 Q. DOCTOR TAMBURRO, WOULD YOU TELL US
9 WHAT ANGIOSARCOMA OF THE LIVER IS?

10 A. THIS IS A CANCER OF THE SUPPORTING
11 CELLS OF THE LIVER, MAINLY THOSE CELLS WHICH
12 LINE THE VASCULAR SEGMENTS OF THE LIVER.

13 Q. AND HOW IS IT DIAGNOSIED?

14 A. WELL, I THINK I COULD BEST
15 ILLUSTRATE WITH SLIDES.

16 THIS IS SIMPLY AN
17 ILLUSTRATION TO POINT OUT WHERE THE LIVER IS.
18 THOUGH MOST PEOPLE I THINK HAVE A CONCEPT, IT
19 IS A VERY LARGE ORGAN WHOSE MAJOR PURPOSE IS
20 SOME SYNTHESIZE OR MAKE BODY PROTEIN, BODY
21 FATS, BODY HYDROCARBONS, IT'S THE ENERGY
22 SYSTEM FOR IT IS BODY. IT ALSO HAPPENS TO
23 HAVE AS A MAJOR FUNCTION THE REMOVAL OF WASTE
24 PRODUCTS AND TOXIC MATERIALS. IT IS THE MAJOR
25 DETOXIFYING OR TOXIC REMOVING ORGAN OF THE

2110113

1 BODY. AND IT ALSO HAS A DUAL SYSTEM OR
2 GETTING RID OF THESE TOXIC MATERIALS, NOT
3 ONLY BY BURNING THEM OR BY METABOLIZING THEM
4 OR ALSO BY EXTRUDING THEM TO THE GALL BLADDER
5 INTO THE INTESTINES. IN ADDITION TO THIS, IT
6 HAS A BLOOD SUPPLY SYSTEM, ONE COMING FROM
7 ARTERIES OF THE BODY AND A BODY SUPPLY SYSTEM
8 THAT COMES FROM THE GASTROINTESTINAL TRACT
9 THAT CARRIES OXYGENATED BLOOD TO THE LIVER.

10 NOW, IF ONE WILL TAKE A LOOK
11 AT THE VASCULAR SYSTEM SPREAD OUT THROUGHOUT
12 THE LIVER, IT LOOKS DIFFERENTLY AND THE LIVER
13 IS CUT IN SLICES. AND UP HERE IN THIS CORNER
14 AS AN ARTISTS VIEW OF THE LIVER WHEN IT'S CUT
15 IN SLICES AND THESE RED CUBOIDAL OR
16 RECTANGULAR CELLS ARE THE LIVERS MAIN CELLS,
17 THE CELLS THAT DO ALL THE BUILDING AND ALL
18 THE DETOXIFYING. BUT THE SPACE IN BETWEEN
19 HERE, THIS AREA IN HERE (INDICATING) IS THE
20 BLOOD PASSAGEWAYS, AND THERE CALLED
21 SINUSOIDAL. AND IT IS THESE CELLS INSIDE HERE,
22 IF YOU CAN SEE THIS BETTER HERE (INDICATING)
23 THESE BLOOD VESSELS THAT LINE THESE CORDS OF
24 LIVER CELLS THAT HAVE -- THAT ARE MADE UP
25 OR CONSTRUCTED OF WHAT WE CALL ENDOTHELIAL

2110111

1 CELLS. AND IT IS THESE CELLS THAT ARE HERE
2 -- I THINK THIS IS BEST, I'LL -- THESE ARE
3 THE LIVER CELLS. AND THESE ARE THE CELLS OF
4 THE BLOOD VESSEL WALL THAT LINE THE BLOOD
5 PASSAGE CENTER. AND THESE ARE THE CELLS THAT
6 BECAME MALIGNANT IN ANGIOSARCOMA. THAT'S WHY
7 THE TERM, ANGIO WHICH MEANS BLOOD VESSEL AND
8 SARCOMA WHICH MEANS A TUMOR FROM THE
9 SUPPORTING STRUCTURE OF THE ORGAN AND THERE'S
10 WHERE THE TERM COMES FROM. THERE HAVE BEEN
11 OTHER TERMS USED WHICH ARE ALSO BLOOD RELATED
12 IN THE PAST, BUT THEY ALL MEAN THE SAME THING.
13 THEY'RE IN ADDITION, OTHER CELLS INSIDE THE
14 BLOOD SYSTEM THAT CONSUME, EATS UP
15 PARTICULATE BODY THAT THE BODY MAY HAVE
16 CIRCULATING IN ITS BLOOD AND THESE ARE CALLED
17 MACROPHAGES OR CUPFFER CELLS. NOW, THESE
18 LIVER CELLS ALSO CAN BECOME MALIGNANT, BUT
19 WHEN THEY DO, THEY FORM A DIFFERENT TYPE OF
20 LIVER TUMOR CALLED A PRIMARY LIVER CELL TUMOR.
21 AND THAT'S THE DIFFERENCE BETWEEN THE
22 ANGIOSARCOMA AND LIVER TUMOR.

23 NOW, WHEN ONE GOES TO
24 DIAGNOSE THIS, YOU NEED TO TEST THE SYSTEM
25 THAT TESTS FOR THE BLOOD VESSELS AND MOST

2110112

1 MEDICAL TEST SYSTEMS ONLY TEST FOR THE LIVER
2 CELL. AND SO, THE DIAGNOSIS OF ANGIOSARCOMA
3 IN ITS EARLY DEVELOPMENT IS VERY DIFFICULT.
4 IN FACT, IN THE EARLIEST STAGES, A PERSON MAY
5 HAVE NO ABNORMALITIES OF ANY TEST THAT WE
6 NORMALLY USE. AND SO, ONE HAS TO USE VERY
7 SPECIALIZED TESTS WHERE YOU ACTUALLY INJECT
8 MATERIAL INTO THE BLOOD VESSELS AND OUTLINE
9 THEM AND SHOW THAT THERE'S BEEN A DISTORTION;
10 BLOOD VESSELS AT A TIME WHEN ALL THE TESTS
11 THAT WE USE FOR THE LIVER AND HOW IT
12 FUNCTIONS APPEAR NORMAL.

13 O. ALL RIGHT. AT ITS LATER STAGES, CAN
14 ANGIOSARCOMA OF THE LIVER BE DIAGNOSIED FROM
15 OTHER TYPES OF LIVER CANCER BY A TRAINED
16 PHYSICIAN?

17 A. IT CAN. THIS REQUIRES A PATHOLOGIST
18 AND A LIVER BIOPSY TO BE OBTAINED.

19 Q. AND WHAT DOES THE PATHOLOGIST DO
20 THEN?

21 A. WELL, HE ACTUALLY LOOKS AT THE LIVER
22 TISSUE AND DISTINGUISHES WHICH CELL IS
23 ABNORMAL. AND I HAVE SOME ILLUSTRATIVE SLIDES
24 THAT SHOW THE PROGRESSION OF THIS FROM ITS
25 NORMAL STAGE TO THE ACTUAL STAGE OF THE

2110113

1 ANGIOSARCOMA.

2 Q. YOU WANT TO SHOW THOSE TO US, THEN?

3 A. NOW, THIS IS THE REAL -- THIS IS
4 NOT AN ARTISTS DRAWING, BUT THIS IS THE REAL
5 LIVER FROM A NORMAL INDIVIDUAL SHOWING THE
6 LIVER CELLS HERE. EACH ONE OF THESE (INDICATING)
7 IS THE NUCLEUS OR THE CENTRAL CONTROL CENTER
8 FOR EACH OF THE CELLS. AND THEY ARE IN CORDS,
9 ONE ATTACHED TO THE OTHER. AND ON BOTH SIDES
10 OF THE CELL, THERE ARE THESE BLOOD VESSELS
11 WHICH ARE LINED WITH CELLS THAT ARE THE
12 ENDOTHELIAL LINING CELLS OR THE CELLS THAT
13 BECAME MALIGNANT IN ANGIOSARCOMA.

14 NOW, THESE SLIDES COME FROM A
15 COMPOSIT OF INDIVIDUALS WHO HAD TO HAVE
16 BIOPSYS FOR MEDICAL REASONS OR BECAUSE IN OUR
17 SCREENING PROGRAM, THEY HAD SOME ABNORMALITY
18 WHICH MEDICALLY INDICATED OUR GETTING A LOOK
19 AT THEIR LIVER TISSUE.

20 NOW, THIS IS THE EARLIEST
21 CHANGE THAT ONE SEES WITH CHEMICAL EXPOSURES
22 TO VINYL CHLORIDE AND ONE BEGINS TO SEE THESE
23 LINING CELLS HERE BEGINNING TO CHANGE IN
24 THEIR SHAPE AND IN THEIR SIZE WHILE THE LIVER
25 CELL ITSELF DOES NOT.

2110114

1 AT A LATER STAGE, THE CELLS
2 BECOME EVEN MORE CHANGED AND DISTORTED IN
3 THEIR CONFIGURATION, IN THEIR SHAPE. NOW, AT
4 THIS TIME, THERE ARE ONLY THE MOST REFINED
5 AND SENSITIVE TESTS OF ABNORMALITIES, THE
6 THINGS THAT WE WOULD USE FOR ONLY RESEARCH
7 PURPOSES. THESE ARE VARIOUS TESTS. IT MEANS
8 WE HAVE TO INJECT THINGS AND LOOK AT PEOPLE
9 AND IN THE STANDARD PRACTICE OF MEDICINE,
10 THESE WOULD NOT BE DETECTED. AT THIS STAGE,
11 THE PEOPLE WERE "SYMPTOMATIC ". IT MEANS
12 THEY HAD NO COMPLAINTS. THE REASON WE
13 DISCOVERED THIS, BECAUSE AT THAT TIME, WE
14 WERE DELIBERATELY LOOKING FOR POSSIBLE
15 PROBLEMS.

16 THIS IS A MUCH LATER STAGE IN
17 WHICH THE CELLS THAT LINE THE SINUSOIDAL OR
18 THE VESSEL SURFACE HAVE NOW BEGUN TO
19 REPLICATE, GROW, SO, THIS IS THE EARLIEST
20 STAGES OF MALIGNANCY. AND AT THIS PARTICULAR
21 STAGE, THE X-RAYS, THE LABORATORY TESTS THAT
22 ARE COMMONLY USED WERE NORMAL. AND AT THIS
23 POINT, THE PATHOLOGIST WAS REASONABLY SURE
24 THAT THIS WAS A MALIGNANCY, BUT STILL HAD
25 SOME CONCERNS BECAUSE THESE ARE VERY TYPICAL

2110115

1 CELLS. HERE, THIS NUCLEUS HAS BEEN BROKEN UP
2 AND THE CELL IS ABOUT TO DIVIDE. AND FOR THIS
3 PARTICULAR REASON, ONE SEES THE DEVELOPMENT
4 OF ANGIOSARCOMA PRESENTING ITSELF IN
5 COMPLAINTS BY THE PATIENT VERY, VERY LATE IN
6 THE STAGE OF THE DISEASE PROCESS.

7 AND FINALLY, THIS IS THE
8 MALIGNANCY ITSELF. AT THIS STAGE, THE PERSON
9 IS SYMPTOMATIC, LABORATORY TESTS ARE ABNORMAL
10 AND THIS IS ONE OF THE LATER PHASES OF THE
11 DISEASE PROCESS.

12 NOW, IF WE WERE ALSO
13 FORTUNATE IN HAVING THE OPPORTUNITY TO FIND
14 X-RAYS, VASCULAR X-RAYS, IN SOMEONE HAD BEEN
15 MEDICALLY EXAMINED FOR ANOTHER REASON AND
16 THOSE X-RAYS, WHEN WE LOOKED AT THEM
17 RETROSPECTIVELY, HAD THE SAME VASCULAR
18 CHARACTERISTICS AS WE FOUND IN THE SAME
19 INDIVIDUAL FOUR YEARS LATER WHEN HE HAD THE
20 TUMOR IN THE EXACT SAME SPOT. SO, FROM THESE
21 TWO GROUPS OF STUDIES, WE HAVE EVIDENCE THAT
22 THE TUMOR MAY BE PRESENT AS EARLY AS FOUR
23 YEARS BEFORE THE INDIVIDUAL BECOMES
24 SYMPTOMATIC OR ILL OR AS LATE AS ONE YEAR.
25 AND THIS IS REASONABLE WITH WHAT WE

2110116

1 UNDERSTAND THE GROWTH OF TUMORS TO BE; THAT
2 THEY ARE PRESENT FOR A CONSIDERABLE AMOUNT OF
3 TIME BEFORE THE PERSON IS AFFECTED BY IT. AND
4 ESPECIALLY WHEN IT INVOLVES THE LIVER, SINCE
5 IT IS SUCH A ENORMOUS ORGAN, HAS SUCH GREAT
6 RESERVE, THAT IT TAKES A CONSIDERABLE AMOUNT
7 OF TIME BEFORE ONE REDUCES THE RESERVE IN
8 ORDER FOR SOMEONE TO BECOME -- HAVE
9 SYMPTOMS.

10 Q. DOCTOR, WHAT ARE -- OTHER THAN
11 VINYL CHLORIDE, WHAT ARE OTHER KNOWN CAUSES
12 OF ANGIOSARCOMA OF THE LIVER?

13 A. I BELIEVE YOU'VE HEARD THEM ALL, BUT
14 NOW REPEATING THEM, OTHER THAN VINYL CHLORIDE,
15 WE HAVE GOOD BIOLOGICAL EVIDENCE THAT ARSENIC,
16 A MINERAL, CAUSES ANGIOSARCOMA; THAT A
17 RADIOACTIVE ISOTOPE CALLED THOROTRAST
18 PRODUCES THIS, AND I THINK WE HAVE VERY GOOD
19 EVIDENCE THAT A MALE HORMONE CALLED
20 ANDROGENIC ANABOLIC STEROIDS ALSO CAUSES
21 ANGIOSARCOMAS.

22 Q. FOR THESE FOUR KNOWN CAUSES, ARE
23 THERE ANY PHYSICAL OR CHEMICAL SIMILARITIES
24 IN THE CAUSATIVE AGENT OR ARE THEY ALL
25 DIFFERENT OR ALL THE SAME?

2110117

1 A. NO, THESE ARE ALL DIFFERENT AGENTS
2 AND WE HAVE SOME EVIDENCE THAT THE MECHANISM
3 BY WHICH THEY CAUSE THE TUMOR OR THE
4 MECHANISM WE BELIEVE CAUSE THE TUMOR IS
5 DIFFERENT. FOR INSTANCE, IN THE CASE OF
6 THOROTRAST, THIS IS A RADIOACTIVE MATERIAL
7 THAT IS ATTACHED TO A PROTEIN THAT IS
8 INJECTED INTO THE INDIVIDUAL AND IS TAKEN UP
9 BY THOSE BLOOD VESSEL LINING CELLS AND HELD
10 THERE. AND THEN ONE IS ABLE TO TAKE A FILM OR
11 A X-RAY OF ONES LIVER WITH THESE RADIOACTIVE
12 MATERIALS OUTLINING ON IT.

13 IN THE EARLY DAYS OF RADIO
14 ISOTOPES, WE DID NOT REALIZE THE LIFE OR THE
15 DURATION OF THESE RADIATION EMITTING
16 MATERIALS AND THE THOROTRAST HAPPENS TO BE
17 500 YEARS. SO, IN THIS PARTICULAR CASE, ONE
18 RETAINS THE RADIOACTIVE MATERIAL AND THE
19 RADIATIONS THAT WE BELIEVE CAUSES THE
20 ANGIOSARCOMA IN THAT CASE; WHEREAS, THE
21 VINYL CHLORIDE IS BECAUSE OF THE WAY THE BODY
22 METABOLISES IT. IN THE CASE OF ARSENIC, WE'RE
23 NOT AS CLEAR ON WHAT THE MECHANISM IS. IN THE
24 CASE OF THE STEROID, THESE ARE STIMULATORS
25 FOR GROWTH AND WE FEEL THAT HAS -- PLAYS A

2110118

1 ROLE IN WHY THE CELL DEVELOPS INTO A TUMOR.

2 Q. APPROXIMATELY HOW MANY CASES OF
3 ANGIOSARCOMA ARE DIAGNOSTIC IN THE YEAR IN
4 THE UNITED STATES?

5 A. WELL, THE ESTIMATES HAVE VARIED
6 ANYWHERE FROM ONE CASE IN EVERY FOUR MILLION
7 PEOPLE TO AS FEW AS ONE CASE IN EVERY 20
8 MILLION. BUT ROUGHLY 25 TO 30 CASES A YEAR
9 HAVE BEEN DOCUMENTED IN VARIOUS SURVEYS.

10 Q. ARE ALL CASES OF ANGIOSARCOMA
11 ATTRIBUTED TO ONE OF THE FOUR CAUSES YOU JUST
12 DISCUSSED?

13 A. NO. ONLY ABOUT A QUARTER, 25 PERCENT
14 OF THEM ARE.

15 Q. DO YOU HAVE AN OPINION AS TO WHETHER
16 OR NOT ADDITIONAL CAUSES WILL BE DISCOVERED
17 AS MORE RESEARCH IS DONE?

18 A. FROM WHAT WE UNDERSTAND ABOUT
19 BIOLOGY AND OUR EXPERIENCE WITH OTHER TUMORS,
20 I WOULD SUSPECT THAT WE WILL FIND AT LEAST
21 ONE; MOST LIKELY MANY MORE CAUSES FOR A TUMOR.
22 I KNOW OF NO TUMOR THAT DEVELOPS BECAUSE OF A
23 SINGLE CAUSE. THE MECHANISM BY WHICH IT MAY
24 EVOLVES MAY BE THE SAME, BUT THERE ARE MANY,
25 NUMEROUS, I SHOULD SAY, AGENTS WHICH WILL

2110119

1 CAUSE THE SAME KINDS OF TUMORS.

2 Q. FROM YOUR -- FROM YOUR STUDIES

3 -- I THINK YOU SUGGESTED THAT IN AN EARLIER

4 ANSWER -- DO YOU HAVE INFORMATION

5 CONCERNING HOW VINYL CHLORIDE IS ACTUALLY

6 HANDLED BY THE LIVER?

7 A. WELL, WE'VE CONDUCTED BOTH ANIMAL

8 AND HUMAN STUDIES RELATED TO THIS. AND I'M

9 -- IT IS, I THINK, NECESSARY TO EXPLAIN A

10 LITTLE BIT ABOUT HOW THE BODY HANDLES

11 CHEMICALS TO UNDERSTAND WHY WE SEE VARIATION

12 AND WHY WE THINK WE'RE CORRECT IN WHAT WE SEE.

13 AND AGAIN, I'D LIKE TO USE, IF I MIGHT, SOME

14 ILLUSTRATION TO DEMONSTRATE THIS AND MAKE IT,

15 I HOPE, CLEARER.

16 THE LIVER IS A VERY, VERY

17 INTERESTING ORGAN IN THE SENSE THAT IT IS

18 BIOLOGICALLY DESIGNED TO GET RID OF ABNORMAL

19 PRODUCTS. BUT THE CREATOR MAY OR MAY NOT HAVE

20 ANTICIPATED THAT WE'D USE SYNTHETIC AGENTS.

21 BUT IT IS DESIGNED EVOLUTIONARY-WISE TO

22 HANDLE NATURAL AGENTS. NOW, WHEN IT GETS A

23 SYNTHETIC PARTICULATE, IT HAS A LIMITED

24 NUMBER OF MECHANISMS BY WHICH TO HANDLE THESE

25 AGENTS.

2110120

1 NOW, THIS SIMPLY ILLUSTRATES
2 THE CHEMICAL FORMULA FOR VINYL CHLORIDE DOWN
3 HERE. IT'S A VERY SIMPLE MOLECULE. IT HAS TWO
4 CARBON ATOMS AND THEN A CHLORIDE. AND THESE
5 ARE JUST SIMILAR STRUCTURAL AND SYNTHETICS.

6 NOW, IT'S THESE TWO CARBONS
7 THAT ARE ATTACHED BY BONDS, AND THESE BONDS
8 CAN BE BROKEN, IN FACT, THAT'S HOW YOU
9 METABOLIZE SOMETHING, YOU BREAK THE BONDS OF
10 A MOLECULE IN THE SUBSTANCE DEGRADATED.

11 NOW, THE CHEMISTS AT DOW AND
12 AT SOME OF THE UNIVERSITIES IN NEW YORK HAD
13 BEEN DOING SOME CHEMICAL STUDIES ON HOW VINYL
14 CHLORIDE MULTIPLYS. AND THESE ARE THREE STEPS
15 BY WHICH IT IS METABOLIZED. THE FIRST STEP
16 -- AND THIS IS VINYL CHLORIDE.

17 THE COURT: DOCTOR, WHAT DO
18 YOU MEAN BY METABOLIZE, SO THE JURY WILL KNOW.

19 THE WITNESS: METABOLISM IS A
20 WAY OF BREAKING DOWN A SUBSTANCE, BURNING IT
21 UP. THE SAME THING ONE WOULD DO IN BURNING
22 COAL. YOUR CONSUMING IT OR CHANGING IT IN ITS
23 STRUCTURE. AND IT'S A GENERAL TERM FOR THAT.

24 THE ALCOHOL -- THE FIRST
25 STEP IS THE SAME ROUTE THAT IS TAKEN FOR

2110121

1 METABOLIZING OR BURNING UP ALCOHOL, WHICH IS
 2 ANOTHER CHEMICAL. AND THIS OCCURS ONLY AT
 3 VERY LOW LEVELS OF VINYL CHLORIDE EXPOSURE.
 4 IT APPEARS THAT AS ITS LEVEL BEGINS TO RISE
 5 OR THE EXPOSURE IS INCREASED, THE LIVER CELL
 6 TAKES ON ADDITIONAL MECHANISM IN ORDER TO
 7 BREAKDOWN THE PRODUCT. NOW, THE THIRD STEP
 8 OCCURS AT THE HIGHER LEVELS, PROBABLY IN THE
 9 RANGE OF 200 PARTS PER MILLION IN WHICH THE
 10 LIVER ITSELF CONVERTS TO VINYL CHLORIDE INTO
 11 WHAT WE CALL AN APOXIDE. IT MEANS SIMPLY
 12 THAT OXYGEN IS ATTACHED TO WHERE THE DOUBLE
 13 BONDS ARE. NOW, THIS MOLECULE IS VERY, VERY
 14 REACTIVE AND HAS BEEN SHOWN BY ANIMAL
 15 EXPERIMENTS TO INJURE -- IT IS GENETIC
 16 MATERIAL OF A CELL SO THAT IT CAN BE
 17 DISTORTED WITHOUT KILLING THE CELL. AND IN
 18 THAT WAY, ALLOW THE CELL TO BECOME
 19 MUTAGENICALLY CHANGED, THAT IS TO FORM
 20 ABNORMALITIES OR EVEN TO GO ON TO MALIGNANCY.
 21 AND THE PRESENT LIFE THAT THIS METABOLISTIC
 22 IS THE SOURCE OF THE ANGIOSARCOMA AND THAT
 23 THE LIVER NORMAL CELLS, THE LIVERS MAIN CELLS,
 24 ARE RESPONSIBLE FOR THAT CONVERSION. SO THAT
 25 THE LIVER ACTUALLY IS TAKING SOMETHING WHICH

22101122

1 IS A STABLE SUBSTANCE AND MAKING IT INTO A
2 CANCER PRODUCING SUBSTANCE.

3 NOW, THE LIVER, ALSO WHILE
4 IT'S DOING THIS, GETS RID OF IT BY A
5 MECHANISM OF CONVERGING ON THIS WITH WATER
6 AND THEN BEING ABLE TO RELEASE IT OR EXCRETE
7 IT INTO THE BODY. AND THIS BALANCE BETWEEN
8 HOW MUCH IS MADE AND HOW MUCH IS GOTTEN RID
9 OF WE BELIEVE IS A CRITICAL FACTOR IN WHETHER
10 THE TUMOR IS DEVELOPED.

11 NOW, THIS IS JUST A DRAWING
12 ILLUSTRATING ON A LIVER CELL, THAT SQUARED
13 CELL AND THEN THE LINING CELL, THAT'S AT THE
14 SURFACE. IT IS OUR PRESENT BELIEF THAT VINYL
15 CHLORIDE AND POSSIBLY OTHER CHEMICALS SIMILAR
16 TO IT ARE TAKEN UP BY THE MAJOR LIVER CELLS
17 AND THERE THE INTERMEDIATE ACTIVE SUBSTANCE,
18 THE APOXY, IS FORMED. AND DEPENDING UPON HOW
19 WELL THE LIVER CAN GET RID OF THAT IS A
20 CRITICAL FACTOR IN WHETHER OR NOT THE LIVER
21 CELLS BECOMES MALIGNANT OR WHETHER IT BECOMES
22 INJURED AND DIES.

23 NOW, THIS ALSO HAPPENS TO
24 HOLD TRUE FOR THE LINING CELLS. IN FACT, FOR
25 ANY CELL IN THE BODY. IT DEPENDS ON THEIR

2110123

1 ABILITY TO GET RID OF THIS SUBSTANCE THAT'S
2 MADE. AND DEPENDING UPON THAT CAPABILITY, ONE
3 FINDS THE RISK OF CANCER INCREASING WITH THE
4 DECREASED ABILITY OR REVERSE.

5 NOW, WE BELIEVE THIS CAN
6 ACCOUNT OR WHY SOME PEOPLE DEVELOP MALIGNANCY
7 AND OTHERS DON'T AT VERY, VERY LOW LEVELS.
8 THE LIVER CELL CAN HANDLE THE METABOLISM OF
9 THIS COMPOUND AND ALSO ITS REMOVAL OF THE
10 INTERMEDIATE MATERIAL. AT A MORE MODERATE
11 LEVEL, IT MAYBE OVERWHELMED AND ALLOW THE
12 APOXIDE TO BE AROUND AND DO DAMAGE TO THE
13 NUCLEUS OR TO THE GENETIC MATERIAL AND THEN
14 THAT INJURED CELL CAN GO IN THE FUTURE AND
15 DEVELOP CANCER. AT VERY HIGH LEVELS, IT
16 ACTUALLY KILLS THE CELL AND THEN THE BODY
17 REPLACES IT WITH ANOTHER ONE AND THERE IS
18 THEN NO DEVELOPMENT OF CANCER BECAUSE THE
19 CELL THAT WAS INJURED HAS DIED. AND SO, THERE
20 MAY BE PEOPLE WHO CAN GET THE SAME LEVEL OF
21 EXPOSURES IN WHOM THERE'S CELLS THAT HAVE
22 BEEN GENETICALLY TRANSFORMED, DIED AND
23 THEREFORE, THOUGH THEY'VE BEEN EXPOSED IN THE
24 SAME LEVELS, THEY DO NOT DEVELOP TUMORS.
25 Q. DOCTOR, HAVE YOU ACTUALLY TREATED

2110124

1 ANGIOSARCOMA PATIENTS?

2 A. YES, WE HAVE.

3 Q. WHERE AND HOW MANY AND --

4 A. WE HAVE TREATED SIX ANGIOSARCOMA,
5 FIVE ARE VINYL CHLORIDE EXPOSED INDIVIDUALS
6 AND A SIXTH WAS ONE WE DON'T REALLY HAVE THE
7 SOURCE OF THE ANGIOSARCOMA.

8 Q. WITH RESPECT TO THE VINYL CHLORIDE
9 EXPOSED PATIENTS, DO YOU KNOW WHAT THE
10 ESTIMATED LEVEL OF VINYL CHLORIDE WAS?

11 A. IN ALL FIVE CASES FROM OUR DETAILED
12 OCCUPATIONAL RECORDS, THEIR EXPOSURES LEVELS
13 WERE ABOVE 50 PARTS PER MILLION AND WE
14 ESTIMATE SOMEWHERE IN THE RANGE OF 200 TO 500
15 DURING A GREATER PROPORTION OF THEIR WORK
16 HISTORY.

17 Q. DOCTOR, WHAT IS EPIDEMIOLOGY?

18 A. THIS IS THE STUDY OF THE DEVELOPMENT
19 OF DISEASE IN POPULATION. WE CAN STUDY IT IN
20 AN INDIVIDUAL, BUT THERE ARE ALSO TIMES WHEN
21 WE WANT TO STUDY THE OCCURRENCE OF DISEASE IN
22 GROUPS OF INDIVIDUALS, WHERE WE'RE NOT
23 ACTUALLY STUDYING THE INDIVIDUAL DISEASE
24 PROCESS ITSELF. AND IT IS THIS AREA OF
25 MEDICINE THAT ALLOWS US TO DETERMINE THE

2110125

1 FREQUENCY WITH WHICH DISEASES ARE OCCURRING
 2 AND CHANGING AND ALSO ALLOWS US TO DETERMINE
 3 WHETHER OR NOT THE PROBLEM THAT A DISEASE IS
 4 OCCURRING IN A CERTAIN AREA IS DUE TO OR
 5 COULD BE RELATED TO SOME ENVIRONMENTAL OR
 6 SOCIAL OR VIRAL CAUSE.
 7 Q. AND GENERALLY, WOULD YOU DESCRIBE
 8 HOW EPIDEMIOLOGICAL STUDIES ARE DONE?
 9 A. WELL, THIS IS DONE WITH THE BASIS
 10 BEING IN STATISTICS OF PROBABILITY. AS YOU'VE
 11 HEARD BEFORE, IN MEDICINE, WE REALLY DO NOT
 12 DEAL WITH THE ABSOLUTE. THERE IS SO MUCH
 13 NATURAL VARIATION BETWEEN HUMANS THAT WE
 14 CANNOT BE ABSOLUTE PREDICTORS. AND SO, WE
 15 DEAL WITH WHAT WE CALL PROBABILITY; THAT IS,
 16 WHAT IS LIKELIHOOD THAT AN EVENT, DEVELOPMENT
 17 OF A DISEASE WOULD OCCUR IF CERTAIN
 18 ENVIRONMENTAL THINGS WERE CHANGED. WE DO THIS
 19 WITH VIRUS -- IF YOU HAVE A CERTAIN VIRUS
 20 PRESENT, THERE'S A CERTAIN FREQUENCY, OR A
 21 CERTAIN OCCURRENCE OF THIS DISEASE PROCESS.
 22 WE TAKE THE VIRUS AWAY, THAT DOESN'T HAPPEN
 23 NOW, YOU MAKE THOSE STUDIES BY LOOKING AT A
 24 POPULATION OF PEOPLE WHO HAVE THAT PARTICULAR
 25 AGENT OR MATERIAL PRESENT AND YOU LOOK AT A

92101112

1 COMPARABLE POPULATION THAT DOES NOT. AND THEN
2 YOU FIND OUT WHETHER THE OCCURRENCE OF THE
3 DISEASE OCCURS ANY GREATER IN ONE POPULATION
4 VERSUS ANOTHER. NOW, IF IT DOES, THEN YOU
5 HAVE A VERY HIGH PROBABILITY, 95 CHANCES OUT
6 OF A HUNDRED, THAT THAT IS RELATED TO THE
7 ENVIRONMENT OR TO THE AGENT YOU'VE IDENTIFIED
8 IN ANOTHER. AND BY REPEATING THAT SAME STUDY
9 IN WHICH POPULATION AND FINDINGS THE SAME
10 RESULTS, YOU INCREASE THE RELIABILITY THAT
11 THAT'S A TRUE EVENT, THAT DID NOT HAPPEN BY
12 CHANCE.

13 Q. ARE YOU FAMILIAR WITH
14 EPIDEMIOLOGICAL STUDIES DONE WITH RESPECT TO
15 VINYL CHLORIDE AND ANGIOSARCOMA?

16 A. MOST I AM.

17 Q. AND HAVE YOU DONE STUDIES YOURSELF?

18 A. WE HAVE.

19 Q. WITH RESPECT TO THE STUDIES THAT
20 YOU'VE DONE YOURSELF, WHAT GROUP OF PEOPLE
21 DID YOU -- WHICH GROUPS OF PEOPLE DID YOU
22 COMPARE?

23 A. WELL, IT IS NOT ALWAYS EASY. ONE OF
24 THE MAJOR LIMITATIONS TO EPIDEMIOLOGICAL
25 STUDIES IS THAT WE HAVE DIFFERENT POPULATION.

2110127

1 WE HAVE DIFFERENT RACES, OF DIFFERENT SEX, WE
2 HAVE DIFFERENT EATING PATTERNS, DIFFERENT
3 LIVING PATTERNS, DIFFERENT ENVIRONMENTAL
4 PATTERNS. SO, YOU TRY TO GET A MATCH IN THE
5 POPULATION AS BEST YOU CAN.

6 NOW, THE VARIATION TO THIS,
7 YOU CAN TAKE ONE POPULATION AND DIVIDE IT
8 INTO TWO GROUPS AND MAKE A COMPARISON IN THAT
9 WAY, OR YOU CAN GET TWO GROUPS FROM DIFFERENT
10 AREAS AND MAKE A COMPARISON. WHAT WE WERE
11 ABLE AND WERE FORTUNATE ENOUGH TO DO IS TO
12 HAVE A POPULATION OF INDIVIDUALS WHO WERE
13 EXPOSED FOR A VERY LONG TIME AT WHAT IS
14 BELIEVED TO BE THE HIGHEST LEVELS AND ALSO
15 THE LOWEST LEVELS OF VINYL CHLORIDE. AND
16 ABOUT 1,200 OF THESE INDIVIDUALS AND ABOUT
17 600 RETIREES WHO HAD WORKED IN A PROLONGED
18 PERIOD OF TIME AND WE WATCHED THEIR
19 DEVELOPMENT. AND WE WERE ABLE TO SEPERATE IN
20 THAT GROUP BETWEEN THE GROUP THAT WORKED WITH
21 VINYL CHLORIDE AND THE GROUP THAT WORKED WITH
22 SYNTHETIC RUBBER OR WAS ABLE TO SHOW THERE
23 WAS A DIFFERENCE IN THE TYPES OF DISEASES IN
24 THESE TWO GROUPS AND THAT IT WAS RELATED TO
25 WORK. WE WERE ALSO DOING STUDIES IN

2110128

1 FABRICATORS WHO WORKED AT OTHER PLANTS AND
2 LOOKING FOR THE OCCURRENCE OF LIVER DISEASE
3 AMONG THEM AS EVIDENCE OF EXPOSURE TO THE
4 CHEMICAL IN COMPARISON TO OTHER GROUPS WHO
5 WORKED WITH DIFFERENT KIND OF AGENTS. AND
6 THIS WAY, WE'VE BEEN ABLE TO FIND OUT WHETHER
7 OR NOT THERE WAS A GREATER OCCURRENCE OF
8 LIVER DISEASE OR OTHER KINDS OF DISEASE AMONG
9 THESE WORKERS. LET'S TAKE THE HIGHLY EXPOSED
10 GROUP. DID YOU FIND A HIGHER INCIDENCE THAN
11 EXPECTED IN ANGIOSARCOMA AMONG THAT GROUP?

12 A. YES, AND WE WERE ABLE TO IDENTIFY
13 THE AGENT BY THESE EPIDEMIOLOGICAL STUDIES.

14 Q. AND WHAT WAS THE EXPOSURE LEVEL OF
15 THAT GROUP?

16 Q. EXPOSURE LEVEL OF VINYL CHLORIDE?

17 A. WE HAVE TO DO THIS ON THE BASIS OF
18 HISTORY, BECAUSE WE DO NOT HAVE ABSOLUTE DATA.
19 SO, I WOULD LIKE, IF I CAN, TO ILLUSTRATE HOW
20 WE WENT ABOUT DOCUMENTING THAT THE EXPOSURE
21 WAS HIGH AND THEN TO SHOW WHAT EVIDENCE WE
22 HAD AND WHAT THAT EXPOSURE -- THAT, QUOTE,
23 HIGH EXPOSURE WAS, IF I MIGHT. WHEN DOCTOR
24 CREECH AND DOCTOR JOHNSON HAD DISCOVERED THE
25 ANGIOSARCOMA IN MAN, WE HAD A UNIQUE

2110129

1 SITUATION BECAUSE WE HAD ANIMAL STUDIES EVEN
2 BEFORE THAT OR AT THE SAME TIME, WHICH HAD
3 SHOWN THAT IF YOU USE ONLY VINYL CHLORIDE,
4 YOU PRODUCE THE TUMOR. BUT IN THE EARLY
5 STAGES, IT WAS UNCLEAR WHETHER OR NOT IT WAS
6 THE VINYL CHLORIDE ITSELF, THE MONOMER THAT
7 YOU HEARD ABOUT, OR WHETHER IT WAS THE
8 POLYMER OR THE POLYVINYLCHLORIDE, THE PRODUCT,
9 THAT CAUSED THIS. AND SO, AS PART OF OUR
10 NATIONAL CANCER INSTITUTE GRANT, WE WENT
11 ABOUT SETTING UP A SYSTEM THAT WOULD ALLOW US
12 TO DETERMINE EXPOSURE BASED ON WHERE PEOPLE
13 HAD WORKED, HOW LONG THEY HAD WORKED AND
14 WHETHER OR NOT THOSE JOBS WERE ASSOCIATED
15 WITH VINYL CHLORIDE IN A -- WHAT WE CALL A
16 RANK ORDERING; THAT IS, THAT WE WOULD TAKE A
17 SETS OF INDIVIDUALS, SIX THAT WE TOOK, MAKING
18 ZERO PEOPLE WHO WERE OUTSIDE THE PLANT
19 ALTOGETHER, ONE WOULD BE THE MINIMUM AMOUNT
20 OF POSSIBLE EXPOSURE ONE COULD HAVE, AND SIX
21 BEING THOSE PEOPLE WHO WERE INTIMATELY
22 INVOLVED WITH THAT. AND BY THE USE OF THIS
23 RANK ORDERING AND THE KINDS OF JOBS THAT THE
24 INDIVIDUALS HAD, WE WERE ABLE TO DESIGN AN
25 ESTIMATE THAT DID NOT HAVE AN ABSOLUTE FIGURE

2110130

1 ON WHO HAD THE MOST EXPOSURE RELATIVE TO
2 THEIR COMPANY WORKERS. AND BY DOING THIS, WE
3 WERE ABLE TO SEE WHETHER OR NOT THOSE
4 INDIVIDUALS WITH THE HIGHEST DEGREE OF
5 EXPOSURE ON THESE ESTIMATES WERE MORE
6 ASSOCIATED WITH ANGIOSARCOMA THAN THOSE WHO
7 HAD LESS. AND WHAT THIS DEMONSTRATED WAS THE
8 FOLLOWING: EACH OF THESE COLUMNS HAS MADE UP
9 OF LITTLE STACKS. EACH STACK IS AN INDIVIDUAL
10 WHO HAS A WORK HISTORY ESTIMATE OF THEIR
11 EXPOSURE TO A CHEMICAL CALLED ACRYLONITRILE.
12 WE EXAMINED 22 CHEMICALS. THIS IS JUST SIMPLY
13 ONE TO ILLUSTRATE THIS. THIS IS THE BASE
14 CHEMICAL FOR THE FORMATION OF SYNTHETIC
15 RUBBER.

16 NOW, WE HAD FOUND FOUR
17 ANGIOSARCOMAS DURING THIS TIME OF 1974 TO
18 1977. AND EACH ANGIOSARCOMA CASE IS
19 ILLUSTRATED IN RED. NOW, THE POSITION THE
20 ANGIOSARCOMA HAS IN THESE GROUPS OF
21 INDIVIDUALS IS RELATIVE TO THEIR EXPOSURE TO
22 THIS PARTICULAR CHEMICAL. AND WHAT THIS
23 SIMPLY ILLUSTRATES IS THAT FOR MEN OF THE
24 SAME SEX, ROUGHLY THE SAME AGE, PLUS OR MINUS
25 A YEAR WHO WORKED FOR THE SAME NUMBER OF

2110131

1 YEARS, THEIR TOTAL ESTIMATED EXPOSURE TO THIS
2 PARTICULAR CHEMICAL PUT THEM SOMEWHERE IN THE
3 MIDDLE OR THE LOWER END OF THIS CHEMICAL,
4 INDICATING THAT THE ANGIOSARCOMA CASES DID
5 NOT HAVE A HIGH ASSOCIATION WITH THIS
6 CHEMICAL.

7 NOW, WHEN WE LOOK AT VINYL
8 CHLORIDE, WE SEE THAT THE ANGIOSARCOMA CASES
9 ARE THE HIGHEST OR AMONG THE HIGHEST IN THEIR
10 EXPOSURE TO VINYL CHLORIDE, ILLUSTRATING ON
11 -- THEN FROM AN EPIDEMIOLOGICAL POINT OF
12 VIEW, THAT IT IS VERY HIGHLY PROBABLE THE
13 CHANCES OF US MAKING THE ERROR IN THESE
14 PARTICULAR CASES STATISTICALLY IS ONLY ONE IN
15 A THOUSAND, SO, IT'S A 99 -- 999-99 CHANCES
16 WE'RE RIGHT WITH THIS HYPOTHESIS AND ONE
17 CHANCE WE'RE WRONG, THAT THIS PARTICULAR
18 TUMOR IS ASSOCIATED WITH VINYL CHLORIDE
19 EXPOSURE.

20 NOW, OF THE 22 CHEMICALS THAT
21 WE HAVE A CHANCE TO LOOK AT, ONLY FOUR SHOWED
22 THIS ASSOCIATION. NOW, ANY TIME YOU TRY TO
23 TAKE A LARGE NUMBER OF POSSIBILITIES AND PUT
24 THEM TOGETHER, BY CHANCE, YOU'LL COME UP WITH
25 AN ASSOCIATION. IT'S ESTIMATED THAT IF YOU

2110132

1 HAD 20 POSSIBILITIES, YOU'D HAVE AN AT LEAST
2 ONE BY CHANCE, MEANING IT WASN'T REAL, JUST
3 HAPPENED BY CHANCE THAT YOU SAW THAT
4 ASSOCIATION. WELL, WE CAME UP WITH FOUR. WE
5 WERE RATHER SURPRISED BECAUSE THAT WAS HIGHER
6 THAN WE WOULD HAVE EXPECTED. AND HAVE SOURCE,
7 WE DID EXPECT THE VINYL CHLORIDE, BUT WE HAD
8 TWO OTHER -- THREE OTHER AGENTS; ONE OF
9 WHICH WERE CATALYSTS THAT WE USED WITH VINYL
10 CHLORIDE TO CONVERT IT INTO THE POLYMER. THE
11 SECTION WAS A SOLVENT, SOMETHING TO DISSOLVE
12 THE CATALYST. AND THE THIRD, WHICH IS
13 SPECIALIZED CATALYST USED IN VINYL CHLORIDE.
14 SO, ALL OF THE SYNTHETIC RUBBER CHEMICALS,
15 THE ONES USED FOR RUBBER MANUFACTURING DID
16 NOT SHOW ANY ASSOCIATION. BUT THE AGENTS
17 THAT WERE USED WITH VINYL CHLORIDE SHOWED
18 THAT IT DID. AND THIS FURTHER DOCUMENTED THAT
19 OUR ESTIMATES, OUR WORK ESTIMATES ABOUT THEIR
20 EXPOSURE WERE CORRECT EPIDEMIOLOGY AND THIS
21 WAS ASSOCIATED WITH THE DEVELOPMENT OF CANCER.

22 NOW, WHEN WE WENT TO LOOK AT
23 LUNG CANCER, WHICH IS ALSO HIGHLY SUSPECTED
24 OF BEING ASSOCIATED WITH VINYL CHLORIDE, WE
25 WERE UNABLE AMONG OUR SEVEN CASES OF LUNG

2110133

1 CANCER TO SHOW THAT THERE WAS ANY ASSOCIATION
2 WITH ANY OF THE RUBBER CHEMICALS AS
3 ILLUSTRATED BY ACRYLONITRILE AND ALSO THAT
4 THERE WASN'T ANYTHING ASSOCIATED WITH VINYL
5 CHLORIDE. NOW, THIS HAS LED US TO SIMPLY
6 CONCLUDE THAT THERE IS AN INCREASE IN CANCER
7 OF THE LUNG, BUT THAT IT IS NOT ASSOCIATED
8 EPIDEMIOLOGY WITH THE CHEMICALS WE LOOKED AT
9 AND THAT MEANS WE STILL HAVE TO FIND THE
10 AGENT.

11 Q. DOCTOR, IN YOUR SIX CATEGORIES OF
12 EXPOSURES FROM PEOPLE OUTSIDE THE PLANT UP
13 THROUGH THE VARIOUS LEVELS, IN WHICH CATEGORY
14 DID YOUR -- THE ANGIOSARCOMA CASES THAT YOU
15 FOUND FALL?

16 A. THEY WERE MOSTLY IN CATEGORIES OF
17 FOUR, FIVE AND SIX. WE FOUND NONE WHO FELL
18 INTO THE CATEGORIES OF ZERO, ONE, TWO OR
19 THREE.

20 Q. AND WHAT TYPES OF JOBS DID FOUR,
21 FIVE AND SIX HAVE?

22 A. WELL, THE INDUSTRY HAD A --
23 FORGIVE ME FOR USING THE WRONG TERM, I ASSUME
24 THEY HAD SOME SORT OF SENIORITY ORDER.
25 EVERYONE WHO CAME TO WORK DID ONE JOB FIRST

2110134

1 AND THAT WAS WORKING IN THE CLEANING ON OF
2 THE BIG VATS. AND SO, /TKAB AS THE WORKER
3 THEN WOULD BE PROMOTED, HE WOULD LEAVE THAT
4 JOB. SOME STAYED ON THAT JOB LONGER TIMES
5 THAN OTHERS, BUT ALL OF THEM HAD WORKED FOR
6 CONSIDERABLE PERIODS OF TIME IN THESE VATS OR
AROUND THESE VATS.

8 ~~Q. NOW, IF YOU HAVE AN ANGIOSARCOMA~~
9 ~~NEAR A FUNCTIONING PVC PLANT THAT HAS~~
10 ~~EMISSIONS, IS THERE A SUBSTANTIAL PROBABILITY~~
11 ~~THAT THE ANGIOSARCOMA WAS CAUSED BY AN~~
12 ~~EMISSION FROM THE PLANT IN THE ABSENCE OF~~
13 ~~KNOWN EXPOSURE TO OTHER AGENTS INDUCING~~
14 ~~ANGIOSARCOMA OF THE LIVER?~~

15 ~~A. I DO NOT BELIEVE THERE IS.~~

16 ~~Q. AND WHY IS THAT?~~

17 ~~A. WELL, IT SEEMS POSSIBLE, BUT AGAIN,~~
18 ~~WE HAVE TO DEAL WITH WHETHER OR NOT WE HAVE~~
19 ~~EVIDENCE THAT THIS IS A REASONABLE~~
20 ~~PROBABILITY IN THE EPIDEMIOLOGICAL STUDIES~~
21 ~~CONDUCTED BY NUMEROUS INVESTIGATORS BOTH IN~~
22 ~~ENGLAND AND THE UNITED STATES HAVE NEVER BEEN~~
23 ~~ABLE TO~~
24 ~~LIVER INJURY OR OF ANGIOSARCOMA OCCURRED~~
25 ~~WITHIN A GREATER FREQUENCY AMONG THE POPULOUS~~

1110135

1. ~~THAT HAS LIVED AROUND THE PLANTS ENVIRONMENT,~~
2. THEN ONE WOULD HAVE EXPECTED THAT DIDN'T. NOW,
3. IT -- IT BECOMES SUSPICIOUS WHEN ONE SEES
4. CASES AND THAT IS WHY ONE DOES
5. EPIDEMIOLOGICAL STUDIES, TO FIND OUT WHETHER
6. YOUR SUSPICIONS ARE CHANCE HAPPENINGS OR
7. WHETHER OR NOT SINCE YOU'RE REALLY SEEING
8. SOMETHING THAT'S REALLY HAPPENING.

9. Q. DOCTOR EPSTEIN TESTIFIED CONCERNING
10. ANGIOSARCOMA AT LOW LEVELS OF EXPOSURE. I
11. BELIEVE HE DISCUSSED PVC FABRICATE FORCE
12. EXPOSED TO LEVELS OF NONE DETECTABLE TO TWO
13. PARTS PER MILLION. AS A RESULT OF STUDIES
14. DONE BY YOU OR YOUR RESEARCH, DO YOU KNOW IF
15. THERE IS ANY HIGHER INCIDENCE OF ANGIOSARCOMA
16. AMONG PVC FABRICATE FORCE?

17. A. BOTH BY OUR STUDIES AND THOSE THAT
18. HAVE BEEN PUBLISHED, WE HAVE NOT FOUND ANY
19. EVIDENCE THAT THE PVC PRODUCTION WORKERS OR
20. FABRICATORS HAVE ANY INCREASED INCIDENCE OF
21. EITHER INJURY ASSOCIATED WITH CHEMICALS OR
22. WITH ANGIOSARCOMA.

23. Q. IF AN AGENT IS KNOWN TO CAUSE A
24. PARTICULAR -- I'M SORRY. IF AN AGENT IS
25. KNOWN TO CAUSE CANCER IN A PARTICULAR PART OF

21110136

1 THE BODY, WILL IT NECESSARILY CAUSE CANCER TO
2 OTHER PARTS OF THE BODY?

3 A. ONE HAS TO UNDERSTAND THAT EVERY CELL
4 HAS A DIFFERENT JOB AND HAS DIFFERENT
5 CAPABILITIES. AND THE REASON WE BELIEVE ONE
6 CELL DEVELOPS CANCER AND ANOTHER DOESN'T IS
7 DEPENDENT UPON THESE CAPABILITIES. AND AS YOU
8 SAW ILLUSTRATED IN THE LIVER ITSELF WITH TWO
9 DIFFERENT CELLS, DEPENDING ON THEIR ABILITY,
10 THEY EITHER DEVELOP CANCER OR THEY DON'T. IN
11 GENERAL, YOU CAN BE HIGHLY SUSPICIOUS THAT
12 ONE CHEMICAL, IF IT DEVELOPS A CANCER IN ONE
13 TISSUE MAY DO IT IN OTHERS. BUT YOU CANNOT
14 SAY BECAUSE IT DEVELOPED IT IN ONE TISSUE, IT
15 WILL DEVELOP IT IN OTHERS. AND ONE HAS TO
16 TAKE INTO CONSIDERATION HOW THAT PARTICULAR
17 AGENT IS HANDLED BY THE CELLS AND WHETHER THE
18 CELL HAS THE CAPABILITIES OF DEFENDING ITSELF.
19 AND THOSE CELLS THAT DON'T, THEN THE
20 PROBABILITY IS GREATER THAT THEY'LL HAPPEN.
21 AND IF IT DOESN'T, IT WON'T. AND SO, YOU
22 CAN'T JUST MAKE A SIMPLE STATEMENT THAT
23 CANCER DEVELOPMENTS IN ONE TISSUE WILL
24 DEFINITELY DEVELOP IN ANOTHER. YOU CAN BE
25 SUSPICIOUS, BUT YOU HAVE TO DEMONSTRATE IT.

2110137

1 C. DOCTOR EPSTEIN HAS DISCUSSED EIGHT
2 CASES OF ANGIOSARCOMA IN INDIVIDUALS LIVING
3 WITHIN TWO MILES OF PVC PLANTS AND HE
4 REFERRED TO ARTICLES BY CHRISTIAN, BAXTER AND
5 BRADY. FIRST OF ALL, ARE YOU FAMILIAR WITH
6 THOSE ARTICLES?

7 A. YES.

8 Q. DOES THE EXISTENCE OF THESE EIGHT
9 CASES MEAN THERE IS A SUBSTANTIAL MEDICAL
10 PROBABILITY THAT THEIR ANGIOSARCOMAS WERE
11 CAUSED BY VINYL CHLORIDE?

12 MR. VASSALOTTI: EXCUSE ME.
13 ARE YOU ASKING THE DOCTOR IN HIS OPINION.

14 MR. RENNEISEN: YEAH, IN HIS
15 OPINION, I'M SORRY. SHALL I REPHRASE THE
16 QUESTION?

17 MR. VASSALOTTI: NO, I JUST
18 WANTED IT CLEAR.

19 BY MR. RENNEISEN:

20 Q. IN YOUR OPINION, DOCTOR, DOES THE
21 EXISTENCE OF THESE EIGHT CASES MEAN THAT
22 THERE IS A SUBSTANTIAL MEDICAL PROBABILITY
23 THAT THOSE INDIVIDUALS HAD ANGIOSARCOMA
24 CAUSED BY VINYL CHLORIDE?

25 A. WELL, THE PRESENCE OF THESE CASES

2110138

1 HAVE MADE US ALL SUSPICIOUS THAT THIS
2 POSSIBILITY MIGHT EXIST AND THAT'S WHY THE
3 EPIDEMIOLOGICAL STUDIES WERE CARRIED OUT. NOW,
4 THOSE EPIDEMIOLOGICAL STUDIES HAVE NOT
5 VALIDATED THAT THERE IS A CAUSAL RELATIONSHIP.
6 NOW, IN EPIDEMIOLOGY, WE CANNOT PROVE A
7 NEGATIVE. YOU PROVE ONLY A POSITIVE. AND SO,
8 WHAT WE HAVE IS INCREASING ATTEMPTS TO PROVE
9 THE POSITIVE COMING UP WITH THE NEGATIVE. AND
10 SO LONG AS THAT CONTINUES ON, WE DO NOT HAVE
11 SCIENTIFIC DATA THAT SAYS THAT THESE CASES
12 WERE -- DID NOT HAPPEN BY CHANCE.

13 Q. I'D LIKE TO ASK YOU A HYPOTHETICAL
14 QUESTION: DOCTOR, ASSUME THAT JOHN C GRASSO
15 WAS BORN ON DECEMBER 27, 1927 AND WAS
16 EMPLOYED AT THE DUPONT CHAMBERSWORKS FROM 19
17 44 UNTIL 1976 WITH A PERIOD AROUND 1950 WHEN
18 HE HAD OTHER TYPES OF EMPLOYMENT; ASSUME THAT
19 MR. GRASSO LIVED 1.7 MILES FROM THE B.F.
20 GOODRICH PEDRICKTOWN PLANT FROM THE DATE IT
21 OPENED IN 1970 UNTIL HIS DATE OF DEATH IN
22 1977. ASSUME FURTHER THAT THE PEDRICKTOWN
23 PLANT IS IN SALEM COUNTY, WHICH GENERALLY IS
24 A RURAL AREA AND ASSUME THAT WHEN MR. GRASSO
25 WAS AT DUPONT, DUPONT USED A GREAT NUMBER OF

2110139

1 CHEMICALS; ASSUME THAT MR. GRASSO WAS
2 HOSPITALIZED IN JUNE OF 1976 WHEN HE BEGAN
3 COUGHING UP BLOOD AND HAD OTHER SYMPTOMS AND
4 CONDITIONS AS DESCRIBED IN THE HOSPITAL
5 RECORDS WHICH I'VE SUBMITTED TO YOU FOR YOUR
6 REVIEW. ASSUME THAT MR. GRASSO WAS
7 HOSPITALIZED AT THOMAS JEFFERSON MEDICAL
8 COLLEGE IN AUGUST OF 1976 AND THAT ON AUGUST
9 5, 1976, THE DIAGNOSIS OF ANGIOSARCOMA OF THE
10 LIVER WAS MADE. ASSUME THAT THE PLANT IN
11 PEDRICKTOWN DID OPEN ON MARCH 25, 1970 AND IT
12 MANUFACTURED POLYVINYLCHLORIDE. DURING THE
13 COURSE OF THE MANUFACTURING PROCESS, VINYL
14 CHLORIDE GAS WAS EMITTED INTO THE ATMOSPHERE.
15 ASSUME THAT CONCENTRATIONS OF VINYL CHLORIDE
16 WERE FOUND IN THE AREA OF THE GRASSO HOME IN
17 THE RANGE OF SIX TO NINE PARTS PER BILLION
18 AND THAT MR. GRASSO ON AN ANNUAL AVERAGE THAT
19 MR. GRASSO HIMSELF HAD AN ANNUAL AVERAGE
20 EXPOSURE OF FIVE TO EIGHT PARTS PER BILLION.
21 ASSUME THAT THE HIGH 24 HOUR AVERAGE FOR MR.
22 GRASSO WOULD HAVE BEEN 260 PARTS PER BILLION
23 AND ON AN HOURLY BASIS ON TWO TO FOUR TIMES A
24 YEAR, AN HOURLY AVERAGE WOULD HAVE BEEN AS
25 HIGH AS FIVE TO SIX PARTS PER MILLION. ASSUME

2110140

1 THAT MR. GRASSO WAS EXPOSED AS A RESULT OF
2 EPISODIC EMISSIONS OCCURRING APPROXIMATELY 20
3 TIMES IN SIX YEARS FOR PERIODS OF TWO TO 15
4 MINUTES AND DURING THOSE EXPOSURE PERIODS,
5 THE VINYL CHLORIDE LEVEL -- THE VINYL
6 CHLORIDE CONCENTRATION WAS SEVERAL HUNDRED
7 PARTS PER MILLION.

8 FIRST BASED ON THOSE ASSUMED
9 FACTS AND YOUR KNOWLEDGE OF THE MEDICAL
10 RECORDS, DO YOU HAVE AN OPINION WITH
11 SUBSTANTIAL MEDICAL PROBABILITY AS TO WHEN
12 THE LIVER TUMOR DIAGNOSED ON AUGUST 5, 1976
13 FIRST WAS PRESENT IN MR. GRASSO?

14 A. YES, I DO.

15 Q. AND WHAT IS THAT OPINION?

16 A. FROM OUR OWN EXPERIENCE WITH THE
17 DISEASE AND FROM THAT THAT'S IN THE
18 LITERATURE, I WOULD SUSPECT THAT THE TUMOR
19 HAD BEEN PRESENT AT A MINIMUM OF A YEAR AND
20 POSSIBLY UP TO FOUR.

21 Q. AND THAT'S -- YOU'VE TESTIFIED AS
22 TO YOUR EXPLANATION OF THAT PREVIOUSLY, HAVE
23 YOU NOT?

24 A. WELL, THE REASON WE CHARACTERIZED A
25 DISEASE IS BECAUSE WITH EACH CASE OF A

2110141

1 DISEASE THAT WE SEE, WE CONTINUED TO COLLECT
2 INFORMATION AS TO THE NATURAL PROGRESSION OF
3 THE DISEASE, AND THAT BECAME A DESCRIPTION
4 OF THE DISEASE PROCESS. THAT'S HOW ONE
5 DISEASE IS DIFFERENTIATED FROM ANOTHER, AND
6 ONE ACCUMULATES THIS BY THE CASES THAT ONE
7 SEES, SO THAT THE FIRST CASE -- THE FIRST
8 AND ONLY CASE OF A NEW DISEASE MAY NOT SHOW
9 YOU ALL THE VARIATIONS, BUT AS ONE BEGINS TO
10 CONTINUE TO INCREASE THE NUMBER OF CASES ONE,
11 ONE BEGINS TO MORE CLEARLY DEFINE THE
12 VARIATION, AND SO WE NOW HAVE A NUMBER OF
13 CASES SHOWING US A VARIATION IN THE TIME OF
14 THE TUMOR OCCURRENCE. IT'S ON THAT THAT WE
15 BASE THAT OPINION.
16 Q. DOCTOR, DO YOU HAVE AN OPINION WITH
17 SUBSTANTIAL MEDICAL PROBABILITY AS TO WHETHER
18 OR NOT VINYL CHLORIDE EMITTED BY THE A.F.
19 GOODRICH PLANT IN PEDDICKTOWN CAUSED MR.
20 GRASSO'S ANGIOSARCOMA IN?
21 A. YES, I DO.
22 Q. WHAT IS YOUR OPINION?
23 A. BASED ON OUR MEDICAL KNOWLEDGE, I DO
24 NOT BELIEVE THAT THAT IS THE SOURCE OF MR.
25 GRASSO'S ANGIOSARCOMA.

21110142

1 Q. AND CAN YOU EXPLAIN FOR THE JURY HOW
2 YOU ANALYZED THAT SITUATION IN ORDER TO GIVE
3 ME THAT ANSWER.

4 A. I'D LIKE TO. I'D LIKE AGAIN TO USE
5 SOME SLIDES TO ILLUSTRATE WHAT WE KNOW ABOUT
6 THE ANGIOSARCOMA FROM ALL THE CASES THAT HAVE
7 BEEN OBTAINABLE IN THE WORLD RELATED TO VINYL
8 CHLORIDE.

9 THIS IS A CHART ILLUSTRATING
10 ALL THE REPORTED CASES THAT HAVE BEEN
11 VERIFIED BY PATHOLOGISTS AND MEDICAL EXPERTS
12 THAT THESE ARE TRULY ANGIOSARCOMAS, NOT SOME
13 OTHER KINDS OF TUMOR, AND WE ALSO KNOW THE
14 EXPOSURES OF THESE INDIVIDUALS AND ALL OF
15 THEM HAD BEEN WORKING WITH VINYL CHLORIDE FOR
16 VARYING PERIODS OF TIME. THERE IS ROUGHLY 30
17 SOME CASES WITH AN ADDITIONAL TWO IN 1979 AND
18 ONE IN 1980. THAT IS NOT ON THIS CHART.

19 THE ONES THAT ARE IN RED ARE
20 THOSE FROM THE UNITED STATES AND THE ONES IN
21 WHITE ARE FROM THE NORTH AMERICA, CANADA AND
22 FROM ALL OF EUROPE AND ASIA.

23 NOW, IF ONE LOOKS AT WHEN
24 -- WHEN THESE CANCER OCCURRED, THAT IS,
25 WHEN THEY WERE DIAGNOSED IN THE VARIOUS

2110143

1 COUNTRIES, I'VE ILLUSTRATED FOUR COUNTRIES,
2 BECAUSE THESE ARE THE COUNTRIES THAT HAVE OR
3 ACCOUNT FOR 90 PERCENT OF ALL THE
4 ANGIOSARCOMAS AMONG VINYL CHLORIDE WORKERS.
5 WHAT YOU SEE HERE IS THE NAME OF THE COUNTRY
6 AND THE DATE -- MEANING 1941 IS ROUGHLY THE
7 EARLIEST EXPOSURE OF ANY OF THE INDIVIDUALS
8 IN THIS GROUP OF INDIVIDUALS.

9 Q. DOCTOR, AS YOU GO THROUGH, TELL US
10 THE NAME OF THE COUNTRY, BECAUSE --

11 A. SURE, CANADA IS AT THE TOP. THEIR
12 PLANT STARTED IN 1939, 1940'S. THE AMERICAN
13 PLANTS -- THIS IS AN ERROR, THAT SHOULD
14 HAVE BEEN 1941 -- IS -- I'M SORRY. THAT
15 IS NOT AN ERROR. THERE IS A PLANT IN THE
16 UNITED STATES THAT BEGAN ITS EXPOSURES IN
17 1939, FORGIVE ME. THEN FRANCE, THEY STARTED
18 IN 1941, BUT THE MAJORITY OF THEM WERE IN THE
19 FIFTIES. AND IN GERMANY, THEY STARTED IN THE
20 MID 1950'S AND LATE 1960'S. SO THAT THE
21 COUNTRIES ARE LISTED IN THE OLDEST PLANTS TO
22 THE MORE RECENT ONES. AND YOU'LL NOTICE THAT
23 THE OLDER OR THE PLANTS THAT WERE STARTED IN
24 THE EARLIER TIMES HAVE THE EARLIEST
25 DEVELOPMENT OF ANGIOSARCOMAS, STARTED IN THE

2110144

1 FORTIES, BEGUN TO BE IDENTIFIED IN THE
2 FIFTIES, AND THOSE THAT STARTED IN THE LATE
3 FIFTIES BEING IDENTIFIED IN THE SIXTIES. SO
4 THAT THIS TREND, AS WELL AS THE PEAK NUMBER
5 -- THAT IS, THE MOST FREQUENT OCCURRENCE OF
6 ANGIOSARCOMAS -- ALSO SHOWS -- SHOWN IN
7 YELLOW, THE SAME OCCURRENCE. NOW, THIS TELLS
8 US THAT THE ON SET OF EXPOSURE AND WHEN ONE
9 BEGINS TO DEVELOP THE TUMOR, AS WELL AS ONE
10 REACHES THE MAXIMUM NUMBER OF CASES APPEARS
11 TO BE THE SAME, WHETHER THEY ARE IN CANADA,
12 THE UNITED STATES, FRANCE OR GERMANY. SO,
13 THIS GIVES US AN IDEA ABOUT THE DISEASE
14 PROCESS AS HAVING CERTAIN CHARACTERISTICS
15 WHICH ARE CONSISTENT.

16 THIS TAKES NINE SAME CASES
17 BUT DISTRIBUTES THEM UNDER DIFFERENT
18 CIRCUMSTANCES. THIS HAS THE TOTAL NUMBER OF
19 YEARS OF EXPOSURE OF EACH OF THE CASE WHO
20 DEVELOPED HEPATIC ANGIOSARCOMA, LIVER
21 ANGIOSARCOMA, IN THESE VINYL CHLORIDE WORKERS.
22 AND THIS INDICATES THEIR NUMBER -- TOTAL
23 NUMBER OF YEARS OF EXPOSURE. AND AS YOU SEE,
24 THIS RANGES ANYWHERE FROM ABOUT THREE YEARS
25 TO AS MUCH AS 33 YEARS OF EXPOSURE.

21110145

1 NOW, I PUT A NUMBER OF THESE
2 IN RED. AND THAT'S BECAUSE THERE APPEARS TO
3 BE TWO PEAKS. THERE ARE A GROUP, ABOUT EIGHT,
4 WHO DEVELOPED ANGIOSARCOMA WITH ONLY THREE TO
5 SIX YEARS OF EXPOSURE AND THEN THE MAJORITY
6 OF CASES THAT HAD TEN TO 30 YEARS WITH THE
7 MEAN BEING AROUND 15 TO 20. IN FACT, THERE
8 MAY BE THREE PEAKS. BUT, THIS GROUP WAS
9 THOUGHT TO BE POSSIBLY A UNIQUE GROUP. AND I
10 ILLUSTRATE THEM IN ANOTHER CONTEXT. AS WE
11 DESCRIBED -- AS WHERE UPON THEN LOOK AT THE
12 SAME THING, WE LOOK NOW AT LATENCY. AS YOU
13 KNOW, THAT'S THE TIME FROM THE FIRST EXPOSURE
14 TO THE TIME THE PATIENTS BECOMES DIAGNOSIED
15 AS HAVING THE TUMOR. AND THIS IS CONSIDERED A
16 VERY IMPORTANT FACTOR IN CANCER, SINCE THERE
17 APPEARS TO BE A TIME REQUIREMENT FOR THE
18 DEVELOPMENT OF CANCER AND THIS IS USUALLY
19 FELT TO BE RELATED TO THE DOSE OF EXPOSURE.
20 THE LOWER THE DOSE OF EXPOSURE, IT'S BELIEVED
21 THE LONGER IT TAKES TO DEVELOP CANCER. SO,
22 THEY THOUGHT THAT THESE LOW EXPOSED GROUPS
23 SHOULD THEN HAVE THE LONGEST LATENCY. AND AS
24 YOU SEE, THAT'S NOT QUITE TRUE. THESE EIGHT,
25 WHICH ARE SHOWN IN RED, HAVE LATENCIES WHICH

2110146

1 VARY FROM AS SHORT AS FOUR YEARS TO AS LONG
2 AS 14 YEARS. AND IN FACT, THAT FOLLOW THE
3 PATTERN OF ALL THE ANGIOSARCOMAS, SO THAT THE
4 LATENCY TELLS US THAT THOUGH WHATEVER THE
5 EXPOSURE MAY BE, IT STILL REQUIRES AT LEAST
6 NINE TO TEN YEARS FOR THE DISEASE TO EVOLVE
7 AND DEVELOP; AND THAT SOME PEOPLE REQUIRE A
8 SMALLER EXPOSURE DOSE IN ORDER TO GET THIS
9 PROCESS IN MOTION THAN OTHERS, BUT THAT THE
10 LATENCY DOES NOT STRICTLY FOLLOW THOSE RULES.

11 Q. DOCTOR, WHAT IS THE AVERAGE LATENCY
12 PERIOD?

13 A. THE AVERAGE LATENCY PERIOD HERE IS
14 SOMEWHERE BETWEEN 20 TO 25 YEARS, THE MAJOR
15 PEAK IS THERE.

16 Q. AND LATENCY IS DEFINED AS HOW?

17 A. AS THE TIME INTERVAL FROM THE FIRST
18 EXPOSURE TO THE TIME THE DIAGNOSIS IS MADE.

19 Q. FINE, THANK YOU.

20 A. NOW, THE LAST SLIDE SHOWS OUR
21 DEPICTING ON ALL OF THESE CASES OF
22 ANGIOSARCOMA BASED ON THE YEAR THEY BEGAN
23 THEIR EXPOSURE AND AS ONE SEES, ALL THE CASES
24 BEGAN THEIR EXPOSURE AFTER THE 1940 INTERVAL
25 BY THEIR REPORTS AND EVEN THOUGH THE PLANTS

2110147

21110147A

1 HAVE BEEN OPERATING IN 1955, NONE OF THE
2 WORKMEN WHO DEVELOPED ANGIOSARCOMA INDICATED
3 THEIR EXPOSURE OR THEIR WORK RECORDS
4 INDICATED THEY HAD BEGUN WORKING BEFORE 1940.
5 NOW, IT ALSO STOPS AT 1935.
6 DESPITE THE FACT NOW THAT IT IS ALMOST 15, 16
7 YEARS, THERE HAVE BEEN NO NEW ANGIOSARCOMAS
8 WHOSE EXPOSURE BEGAN AFTER 1935. AND IF ONE
9 LOOKS AT THE NUMBERS THAT BEGAN, ONE BEGINS
10 TO SEE THAT THERE'S A DECREASE IN THE NUMBERS
11 BEING EXPOSED AS ONE GOES ON IN TIME.
12 NOW, FROM THE BEST DATA THAT
13 WE CAN GATHER, THE EXPOSURE LEVELS THAT WERE
14 CONSIDERED TO BE COMMON AROUND THE PLANTS IN
15 THE 1940'S AND 1945 HAD BOTH EUROPE AND IN
16 THE UNITED STATES WERE THOUGHT TO BE AROUND
17 THE RANGE OF 2,000 PARTS PER MILLION; THAT
18 THIS WAS GRADUALLY REDUCED FOR ENGINEERING
19 REASONS SO THAT IN THE LATE FIFTIES AND
20 SIXTIES, IT WAS ESTIMATED AND REPORTED
21 OCCASIONALLY OF BEING 200 TO 500 PARTS PER
22 MILLION AND THAT IN THE LATE SIXTIES AND
23 EARLY SEVENTIES HAD BEEN REDUCED TO 50 TO 200
24 PARTS PER MILLION ABOUT THE TIME THE
25 ACROSTICOLYSIS OR THE BONE DISSOLVING PROCESS

1 IN THE FINGERS WAS DISCOVERED.

2 NOW, THIS GIVES US SOME
3 EPIDEMIOLOGICAL INDICATION OF HOW MUCH
4 EXPOSURE IS REQUIRED IN ORDER TO START THIS
5 PARTICULAR DISEASE PROCESS IN ANY AREA IN THE
6 PROCESS AND IT APPEARS THAT THERE ARE NO
7 CASES UNDER 50 PARTS PER MILLION AND THAT
8 THEY CAN GOING ON UP TO 2,000 PARTS PER
9 MILLION. AND THIS, WOULD, AGAIN, GOING ON
10 ALONG WITH WHAT WE KNOW IN ANIMAL STUDIES. IN
11 ANIMAL STUDIES, WE'VE NOT BEEN ABLE TO INDUCE
12 THE TIME MORE UNDER 25 PARTS PER MILLION AND
13 HAVE NOT BEEN ABLE TO INCREASE THE NUMBER OF
14 TUMORS WHEN WE GO BEYOND FIVE OR 6,000 PARTS
15 PER MILLION. AND I THINK THIS CAN BE
16 EXPLAINED AGAIN BY THE BIOLOGICAL FACT THAT
17 AN AGENT IN SMALL QUANTITIES CAN BE HANDLED
18 BY THE NORMAL BODY TISSUE; THAT AS IT
19 REACHES AN INTERMEDIATE STAGE, THAT THE BODY
20 MAY NOT HANDLE ADEQUATELY, BUT THAT THE CELLS
21 MAY SURVIVE WITH THE INJURY AND THEN LATER GO
22 ON TO DEVELOP CANCER. AND THEN AT THE HIGHER
23 LEVELS, THE CHEMICAL OR AGENT MAY THEREFORE
24 KILL THE CELL AND, THEREFORE, NOT EVOLVES
25 INTO A TUMOR. AND THAT WOULD EXPLAIN WHY ONE

2110148

1 SEES AN INCREASE IN OCCURRENCE OF THE TUMORS
2 FROM 50 PARTS TO ABOUT 2,000 OR 3,000 AND
3 THEN SEES THE OCCURRENCE TRAIL OFF.

4 NOW, THIS WOULD ALSO EXPLAIN
5 WHY THE PEAK OF THE ANGIOSARCOMAS CASES BEING
6 REPORTED WAS REACHED IN 1975. WHEN WE BEGAN
7 OUR STUDY, OUR PROTOTYPE STUDY IN 1975, WE
8 ANTICIPATED THAT THE POPULATION WE WERE
9 STUDYING SHOULD CONTINUED TO DEVELOP
10 ANGIOSARCOMAS BASED ON THEIR PAST EXPOSURE
11 FOR AT LEAST ANOTHER TEN YEARS, BECAUSE THE
12 EXPOSURE WAS NOT REDUCED TO ONE PART PER
13 MILLION UNTIL 1974, 1975; AND THEN WE HAD
14 STILL ALL THOSE PEOPLE WHO HAD BEEN EXPOSED
15 BEFORE THAT TIME.

16 NOW THIS HAS SURPRISED US,
17 BECAUSE THERE'S BEEN A MARKED, A RAPID DROP
18 IN THE NUMBER OF CASES REPORTED IN THE UNITED
19 STATES, IN GERMANY, IN FRANCE AND OTHER
20 COUNTRIES. AND THAT IT IS NOW FURTHER
21 SUPPORTIVE DATA THAT WE MAY HAVE WHAT WE CALL
22 A BIOLOGICAL THRESHOLD -- THAT IS, A LEVEL
23 AT WHICH MOST PEOPLE COULD HANDLE THE AGENTS
24 WITHOUT INDUCING THE CANCER WITHIN THEIR
25 LIFETIME. NOW, WE WILL KNOW MORE ABOUT THIS

2110149

1 AS YEARS GO ON, BECAUSE AS EACH YEAR GOES ON,
2 IT IS DOCUMENTATION THAT THIS IS A TRAILING
3 OFF EFFECT WILL BE FURTHER DOCUMENTED.

4 Q. DOCTOR, IF INSTEAD OF ANNUAL
5 EXPOSURES OF SIX TO NINE PARTS PER BILLION,
6 IF YOU WERE TO ASSUME THE AVERAGE ANNUAL
7 EXPOSURES WERE 20 TO 25 PARTS PER BILLION,
8 WOULD YOUR ANSWERING CHANGED?

9 A. NO, IT WOULD NOT.

10 Q. NOW, -- NOW, YOU WERE PRESENT
11 YESTERDAY WHEN DOCTOR JOHNSON TESTIFIED AND I
12 THINK YOU WERE PRESENT WHEN DOCTOR EPSTEIN
13 HAS TESTIFIED -- TESTIFIED, AND EACH ONE OF
14 THEM WERE READ A LONG HYPOTHETICAL QUESTION.
15 I'M NOT GOING TO REPEAT THAT QUESTION. I
16 WOULD LIKE YOU TO ASSUME THE FACTS THAT WERE
17 IN THE LONG QUESTION THAT I READ TO DOCTOR
18 JOHNSON YESTERDAY AND THAT MR. VASSALOTTI
19 READ TO DOCTOR EPSTEIN LAST WEEK AND
20 PARTICULARLY ASSUME THAT THE CONCENTRATIONS
21 AT THE GRASSO HOME WERE FROM 1.1 TO 20 PARTS
22 PER MILLION FOR 1,500 HOURS, FOR FIVE AND A
23 HALF YEARS AND THAT THE EXPOSURE -- THE
24 CONCENTRATION LEVEL WAS TEN TO 20 PARTS PER
25 MILLION AND 11 ON 11 TO 15 HAZY DAYS A YEAR

2110150

1 FOR A PERIOD OF APPROXIMATELY FOUR HOURS A
2 DAY.

3 ASSUMING THOSE FACTS, WOULD
4 IT CHANGE YOUR OPINION CONCERNING WHETHER OR
5 NOT MR. GRASSO'S DISEASE WAS CAUSED -- YOUR
6 OPINION WITH -- LET ME GET THE WORDS THE
7 SAME SO THE QUESTIONS PARALLEL.

8 WOULD THAT CHANGE YOUR
9 OPINION AS TO WHETHER OR NOT MR. GRASSO'S
10 DISEASE WAS CAUSED BY VINYL CHLORIDE WITH
11 SUBSTANTIAL MEDICAL PROBABILITY?

12 A. NO, IT WOULD NOT CHANGE MY OPINION.

13 Q. AND YOUR OPINION AGAIN IS WHAT?

14 A. BASED ON WHAT WE NOW KNOW FROM ALL THESE
15 CASES AND THE CHARACTERIZATION OF THE DISEASE,
16 IT DOES NOT HAVE THOSE CHARACTERISTICS AND,
17 THEREFORE, I DO NOT THINK THAT THIS IS THE
18 SOURCE OF THE ANGIOSARCOMA IN THIS CASE.

19 Q. DO YOU KNOW WHAT DID CAUSE MR.
20 GRASSO'S ANGIOSARCOMA?

21 A. I HAVE SOME THEORIES, BUT I HAVE NO
22 GOOD MEDICAL DATA TO SUPPORT THAT.

23 Q. IS THIS UNUSUAL WITH RESPECT TO
24 VINYL CHLORIDE AND ANGIOSARCOMA, NOT TO HAVE
25 AN OPINION?

2110151

1 A. I'M NOT SURE I UNDERSTAND.

2 Q. LET ME ASK THE QUESTION THIS WAY:

3 IS IT UNUSUAL FOR THE MEDICAL PROFESSION TO
4 BE UNABLE TO DETERMINE THE CAUSE OF
5 ANGIOSARCOMA OF THE LIVER?

6 A. NO. AS I'VE STATED BEFORE, AND AS
7 OTHER PEOPLE HAVE INDICATED, THE MAJORITY OF
8 ANGIOSARCOMAS WE DO NOT KNOW THE ETIOLOGY TO.

9 Q. WHAT ABOUT OTHER CANCER, DO WE KNOW
10 THE ETIOLOGY OF OTHER CANCER?

11 A. NO, THE MAJORITY OF CANCER WE'RE NOT
12 SURE OF THE ETIOLOGY. THERE ARE A FEW WHICH
13 CAUSE IS CLEARLY DOCUMENTED, BUT NOT -- I
14 DON'T KNOW OF ANY CANCER THAT HAS A SINGLE
15 SOURCE.

16 Q. AND WOULD YOU TELL ME AGAIN THE
17 PERCENTAGE OF ANGIOSARCOMAS WHICH ARE
18 ATTRIBUTED BY THE MEDICAL PROFESSION TODAY TO
19 UNKNOWN CAUSES?

20 A. IT IS ESTIMATED BETWEEN 70 TO 75
21 PERCENT OF THEM.

22 MR. RENNEISEN: THANK YOU, I
23 HAVE NO FURTHER QUESTIONS.

24 THE COURT: MR. VASSALOTTI.

25 BY MR. VASSALOTTI:

2110152

1 Q. DOCTOR TAMBURRO, ABOUT THAT 70 TO 75
2 PERCENT OF UNKNOWN CAUSES, HOW WERE THOSE
3 STUDIES CONDUCTED TO DETERMINE FIRST THE
4 NUMBER OF CASES OF ANGIOSARCOMA AND THEN
5 TRYING TO ATTRIBUTE A CAUSE? ARE YOU FAMILIAR
6 WITH HOW THEY WERE CONDUCTED?

7 A. WHICHEVER TWO OF THEM, YES.

8 Q. AND WHO CONDUCTED THE STUDIES?

9 A. ONE WAS CONDUCTED IN NEW YORK AND
10 THE OTHER WAS --

11 Q. WELL, WHO CONDUCTED THAT ONE?

12 A. WELL, YOU'LL FORGIVE ME. ONE OF THE
13 THINGS I HAVE NOT A TENDENCY TO DO IS
14 REMEMBER NAMES. BUT I CAN GET YOU THE
15 LITERATURE FOR THAT IF YOU DON'T HAVE IT.
16 THEY CONDUCTED A SURVEY IN -- BY USING THE
17 REGISTRY IN NEW YORK.

18 Q. WHAT REGISTRY IS THAT?

19 A. THERE'S A CANCER REGISTRY IN THE
20 STATE OF NEW YORK WHICH RECORDS THE CAUSES OF
21 DEATH DUE TO CANCER. THERE'S A REGISTERING IN
22 CONNECTICUT. THERE ARE A NUMBER OF STATES
23 THAT HAVE CANCER --

24 Q. HOW DOES A CANCER GET REGISTERED IN
25 THIS CANCER REGISTRY?

2110153

1 A. EVERY INDIVIDUAL WHO DIES IS
 2 REQUIRED IN THE STATE TO HAVE A DEATH
 3 CERTIFICATE, AND ALL THOSE DEATH CERTIFICATES
 4 WHICH ARE RECORDED AS BEING DUE TO OR
 5 ASSOCIATED WITH A MALIGNANCY ARE THEN
 6 RECORDED IN THE CANCER REGISTRY.
 7 Q. HOW DOES SOMEONE MAKE A
 8 DETERMINATION THAT A DEATH IS CAUSED BY A
 9 MALIGNANCY?
 10 A. SINCE EVERY DEATH CERTIFICATE IS
 11 SIGNED BY A PHYSICIAN, THE PRESUMPTION IS
 12 MADE THAT THE DOCTOR, KNOWING THE DOCTOR
 13 DIAGNOSIS, IS THE MOST INFORMED INDIVIDUAL AS
 14 TO WHETHER OR NOT THE PRESENCE OR ABSENCE OF
 15 A DISEASE IS PRESENT?
 16 Q. SO, AN AUTOPSY ISN'T DONE IN EVERY
 17 CASE?
 18 A. THAT'S CORRECT.
 19 Q. IN APPROXIMATELY -- APPROXIMATELY
 20 WHAT PERCENTAGE OF THE CASES IN THE REGISTRY
 21 HAS AN AUTOPSY BEEN DONE, DO YOU KNOW?
 22 A. THE SMALLER NUMBER, PROBABLY IN THE
 23 RANGE, DEPENDING ON THE AREA AND IT IS
 24 LOCATION, ANYWHERE FROM 5 TO MAYBE 35 PERCENT.
 25 Q. FIVE TO 35 PERCENT WOULD BE TRULY

21110154

1 --

2 A. HISTOLOGICALLY PROVEN EITHER TO HAVE
3 OR NOT TO HAVE A TUMOR.

4 Q. NOW, WE'VE HAD SOME TESTIMONY IN
5 THIS CASE THAT IT WASN'T REALLY UNTIL 1973 OR
6 1974 WHERE THE MEDICAL COMMUNITY BECAME
7 CONCERNED ABOUT THE RELATIONSHIP BETWEEN
8 VINYL CHLORIDE AND ANGIOSARCOMA OF THE LIVER.
9 AND AS A MATTER OF FACT, I THINK DOCTOR
10 JOHNSON TESTIFIED THAT A COUPLE OF THE CASES
11 THAT B.F. GOODRICH WERE MISS DIAGNOSIED AS
12 PRIMARY LIVER CANCER. ARE YOU AWARE OF THAT?

13 A. YES.

14 Q. THOSE WERE CASES WHERE AUTOPSIES
15 WERE PERFORMED, WEREN'T THEY?

16 A. THAT'S CORRECT.

17 Q. AND THEY WERE STILL MISS DIAGNOSIED.

18 A. THAT'S CORRECT.

19 Q. IS THERE A CHANCE -- I'LL JUST ASK
20 YOU THIS: IS THERE A CHANCE THAT IN THESE
21 CANCER REGISTRIES THAT NOT ALL ANGIOSARCOMAS
22 OF THE LIVER THAT ACTUALLY HAPPEN ARE LISTED?

23 A. WELL, IT'S NOT A CHANCE. THAT IS A
24 FACT.

25 Q. THAT'S A FACT.

2110155

1 A. THAT'S TRUE OF EVERY CANCER.

2 Q. AS A MATTER OF FACT, THAT'S TRUE OF
3 EVERY CANCER, CORRECT?

4 A. WE WOULD NOT KNOW THE TRUE INCIDENCE
5 OF CANCER DISEASE UNLESS WE HAD AN AUTOPSY ON
6 EACH AND EVERY INDIVIDUAL WHO DECIDE. AGAIN,
7 THAT'S WHY EPIDEMIOLOGICAL STUDIES ARE USED
8 TO DETERMINE, BASED ON THE NUMBER OF
9 AUTOPSIES, WHAT THE POSSIBILITY IS THAT THE
10 DATA YOU HAVE CAN DRAW A CORRECT CONCLUSION.

11 Q. WELL, YOU JUST TALKED ABOUT CANCER
12 REGISTRIES THAT INCLUDE DATA THAT IS OBTAINED
13 FROM OTHER THAN AUTOPSIES.

14 A. RIGHT.

15 Q. THAT WAS INCLUDED IN YOUR
16 EPIDEMIOLOGICAL STUDIES, CORRECT?

17 A. WOULD YOU KINDS REMEMBER REPEAT THE
18 QUESTION. I'M NOT --

19 Q. THE DATA FROM THE CANCER BANKS OR
20 REGISTRIES --

21 A. YES.

22 Q. -- THAT'S WHAT THESE
23 EPIDEMIOLOGICAL STUDIES THAT YOU REFER TO
24 WERE BASED UPON, WEREN'T THEY?

25 A. YES. NOT TOTALLY. THAT WAS ONE --

2110156

1 Q. ONE SOURCE OF THE INFORMATION?

2 A. RIGHT, BUT THERE ARE OTHERS.

3 Q. NOW, ONCE THEY -- THESE -- THIS
4 STUDY IN NEW YORK THAT YOU PARTICULARLY REFER
5 TO WENT BACK AND LOOKED THROUGH THE CANCER
6 REGISTRY AND I ASSUME THEY LOOKED FOR ALL
7 ANGIOSARCOMA CASES?

8 A. YES.

9 Q. I ALSO ASSUME -- I DON'T MEAN TO
10 BE FACETIOUS THAT THESE PEOPLE ARE ALREADY
11 DEAD IN THERE IN THE CANCER REGISTRY. HOW DO
12 THEY GO ABOUT ATTRIBUTING A CAUSE TO THESE
13 PEOPLE CANCER?

14 A. UNFORTUNATELY, AS YOU PROBABLY HEARD
15 FROM OTHER SOURCES, THE OCCUPATION IS A
16 REQUESTED PIECE OF INFORMATION, BUT NOT A
17 REQUIRED ONE ON DEATH CERTIFICATES. SO IT IS
18 REQUIRED THAT THE INDIVIDUAL WHO'S INTERESTED
19 IN DETERMINING WHAT POSSIBLE CORRELATIONS ARE,
20 WILL GO BACK TO EACH AND EVERY CASE, EITHER
21 BY DIRECT, VISITING THE RELATIVES OR THE
22 HOSPITAL OR THE DOCTOR WHO CARED FOR THEM,
23 AND DETERMINE WHAT THE INDIVIDUAL DID AS AN
24 OCCUPATION. AND THEN FROM THAT DATA, ONE THEN
25 ATTEMPTS TO DRAW SOME CONCLUSIONS AS TO

21110157

V150

1 WHETHER THERE'S A RELATIONSHIP BETWEEN THEIR
2 WORK IS HISTORIES AND THE DISEASE THEY HAVE.

3 Q. SO, IN THE MID NINETEEN SEVENTIES,
4 PEOPLE WERE CONCERNED ABOUT THE OCCUPATION
5 -- PEOPLE STUDYING THE EPIDEMIOLOGICAL
6 SIGNIFICANCE OF ANGIOSARCOMA WERE CONCERNED
7 WITH THE OCCUPATIONAL HISTORY OR THE
8 OCCUPATION, I THINK YOU SAID, OF THE PEOPLE
9 WHO DIED OF ANGIOSARCOMA; IS THAT CORRECT?

10 A. YES.

11 Q. NOW, WHEN DATA IS GIVEN ON A DEATH
12 CERTIFICATE AS TO OCCUPATION, THAT'S ONLY THE
13 LAST OCCUPATION OF THE PERSON, ISN'T IT?

14 A. THAT IS ABSOLUTELY CORRECT. YOU --
15 THAT'S USUALLY THE THING.

16 Q. IN THE MID NINETEEN SEVENTIES, WAS
17 THERE ANYONE CONCERNED ABOUT PROXIMITY OF
18 LOCATION OF THE PEOPLE WHO DIED OF
19 ANGIOSARCOMA TO A PVC OR VC PLANT IN THE
20 STUDIES THAT YOU RELIED UPON?

21 A. YES, THEY WENT AND LOOKED AT WHERE
22 THE PEOPLE ACTUALLY LIVED TO DETERMINE
23 WHETHER OR NOT --

24 Q. AT WHAT POINT IN THEIR LIVES DID
25 THEY -- AGAIN, WE'RE RELYING ON LAST

21110:58

1 ADDRESS; IS THAT CORRECT?

2 A. ONE WOULD HAVE TO DEPEND ON LAST
3 ADDRESS. WELL, IT WOULD AGAIN DEPEND --
4 DEPEND THIS IS NOT IN THE STUDIES. I MEAN ONE
5 DOESN'T KNOW IN A PUBLICATION THE ACTUAL
6 EXTENT OF THE INVESTIGATORS' EFFORTS TO
7 DETERMINE ALL LOCATIONS. IN SOME CASES, THEY
8 MAY HAVE KNOWN ALL THE LOCATIONS THE
9 INDIVIDUAL LIVED AT AND IN SOME CASES NOT. SO,
10 ONE PRESUMES THAT WE'RE DEALING PREDOMINANTLY
11 WITH THE LAST LOCATION.

12 Q. DOCTOR, ISN'T IT FAIR TO SAY THAT
13 PERCENT OF THE ANGIOSARCOMAS THAT HAVE BEEN
14 FOUND SO FAR -- THIS IS BASED UPON WHAT WE
15 JUST TALKED ABOUT -- HAVE BEEN ASSIGNED AN
16 UNKNOWN CAUSES BECAUSE WE DON'T HAVE ENOUGH
17 INFORMATION TO DETERMINE WHAT THE CAUSE WAS?

18 A. YES, THAT IS A POSSIBILITY. BUT I
19 THINK ONE HAS TO LOOK AGAIN AT THE FACT THAT
20 SINCE 1974, PEOPLE HAVE ACTIVELY BEEN LOOKING
21 TO LINK UP ALL THEIR ANGIOSARCOMAS WITH THE
22 KNOWN CAUSES, SO THAT IT IS UNLIKELY THAT AN
23 ANGIOSARCOMA DIAGNOSED AFTER 1974, HAVING
24 ANY POSSIBILITY OF BEING ASSOCIATED WITH ANY
25 OF THE FOUR CAUSES, WOULD NOT BE ATTEMPTED TO

2110159

1 BE LINKED. THAT'S JUST A NATURAL TENDENCY.
2 YOU KNOW WHAT IT IS AND YOU TRY TO LINK IT UP
3 WITH WHAT YOU KNOW. THE FACT THAT THERE ARE
4 STILL, DESPITE THAT, A NUMBER OF
5 ANGIOSARCOMAS THAT WE CANNOT LINK UP WITH ANY
6 REASONABLE PROBABILITY OF BEING CORRECT,
7 INDICATES THAT WE HAVEN'T YET EXCLUDED ALL
8 THE SOURCES OF ANGIOSARCOMA.

9 Q. DOCTOR, I WAS SUPPLIED SOME
10 INFORMATION WHICH INDICATED THAT -- I'LL
11 QUOTE IT TO YOU: YOU WILL TESTIFY THAT EVEN
12 AT THE -- EVEN IF THE EXPOSURE LEVEL AT THE
13 GRASSO HOME WAS A YEARLY AVERAGE OF THREE
14 PARTS PER MILLION, THAT THAT DOSE WOULD BE
15 INSUFFICIENT TO CAUSE MR. GRASSO'S
16 ANGIOSARCOMA. DID YOU TELL ANYBODY THAT?

17 A. I'VE SAID THAT A NUMBER OF TIMES.

18 Q. THAT'S THREE PARTS PER MILLION, 24
19 HOURS A DAY?

20 A. IF THAT ASSUMPTION WAS MADE BASED ON
21 THE CHARACTERISTICS OF HIS DISEASE, HIS ONSET,
22 THE PROGRESSION OF THE DISEASE, I'D STILL
23 DRAW THAT CONCLUSION.

24 Q. NOW, GETTING BACK TO HOW VINYL
25 CHLORIDE IS HANDLED IN THE BODY, YOU'VE

2110160

1 SHOWED US SOME ACTUAL TISSUE SLIDES, I
2 BELIEVE. WERE THOSE SLIDES TAKEN FROM
3 GOODRICH WORKERS WHO HAD ANGIOSARCOMA?

4 A. AND THOSE WITHOUT ANGIOSARCOMA.

5 Q. BUT THEY WERE FROM GOODRICH WORKERS?

6 A. THOSE PARTICULAR SLIDES, YES.

7 Q. AND WHEN YOU WERE TALKING ABOUT HOW
8 THE LIVER METABOLISES OR CHANGES VINYL
9 CHLORIDE, YOU -- AND YOU HAD A SLIDE ON
10 THERE SHOWING THREE DIFFERENT LEVELS OF
11 METABOLIZATION. YOU KEPT REFERRING TO WE HAVE
12 DEVELOPED THIS APPROACH. WHO IS THE WE THAT
13 YOU REFER TO?

14 A. WELL, IT'S SORT OF UNFAIR TO SAY I
15 DID IT, SINCE WE ARE MULTIPLE WORKERS IN THE
16 AREA AND ALSO THE FACT THAT THERE ARE OTHER
17 COLLEAGUES AT OTHER INSTITUTIONS WHO HAVE DONE
18 IT. SO, I USE WE IN AN EDITORIAL SENSE. IT'S
19 SIMPLY INDICATING THAT WE'VE DONE SOME WORK
20 OURSELVES.

21 Q. WHO IS THE WE WE'VE DONE --

22 A. MYSELF, MY BIOCHEMISTS, MY
23 IMMUNOLOGISTS, MY LABORATORY TECHNICIANS, MY
24 COLLEAGUES WHO DO THE HISTOLOGY. NOT
25 EVERYTHING THAT WE PRESENT IS PERSONALLY DONE

21110161

1 BY ME. I MEAN, I'M NOT CAPABLE --

2 Q. I UNDERSTAND THAT. I JUST WANT TO BE
3 CLEAR. BECAUSE YOU MENTION SOME WORK WAS DONE
4 BY THE DOW CHEMICAL COMPANY?

5 A. YES.

6 Q. DID YOU WORK WITH THEM?

7 A. THERE ARE TWO VERY PROMINENT
8 CHEMISTS WHO HAVE DONE A GREAT DEAL OF THE
9 RADIOACTIVE METABOLISM OF VINYL CHLORIDE, ALL
10 RIGHT, AND THEY HAVE PUBLISHED THAT MATERIAL.
11 AND PROBABLY THEY'VE DONE THE CORE WORK
12 RELATED TO THAT.

13 Q. THEY'VE DONE THE PRINCIPAL WORK IN
14 TRYING TO SHOW THAT AT LOWER LEVELS, VINYL
15 CHLORIDE IS NOT A CANCER CAUSING AGENT; IS
16 THAT CORRECT?

17 A. FROM A METABOLIC POINT OF VIEW. FROM
18 A HISTOLOGY POINT OF VIEW, OTHERS HAVE DONE
19 MORE.

20 Q. THAT WAS IT THE DOW CHEMICAL COMPANY
21 WHO DID THAT?

22 A. DOCTOR WATANABY, THAT'S CORRECT.

23 Q. AND THE STUDIES THAT YOU DID --

24 AND WHEN I MEAN YOU, I DON'T MEAN YOU

25 PERSONALLY, I MEAN YOUR WHOLE GROUP -- WITH

21110162

1 REGARD TO THE METABOLISM OF VINYL CHLORIDE IN
2 THE LIVER, WHO FUNDED THOSE STUDIES?

3 A. THAT CAME FROM THREE SOURCES:
4 PRIVATE ENDOWMENT, MANUFACTURING CHEMISTS'
5 ASSOCIATION, AND THE NATIONAL INSTITUTE OF
6 HEALTH.

7 Q. DID THE GOODRICH COMPANY PROVIDE ANY
8 FUNDING FOR THOSE STUDIES?

9 A. NOT THE ONES RELATED TO METABOLISM.
10 THEY PROVIDED SOME OF THE ORIGINAL MATERIAL
11 THAT WE USED FOR DEVELOPING SCREENING TESTS
12 FOR DETECTING THE ANGIOSARCOMA.

13 Q. THAT WAS TO DETERMINE WHETHER THEIR
14 EMPLOYEES ACTUALLY HAD ANGIOSARCOMA, TRYING
15 TO GET IT IN AN EARLY STAGE. IS THAT WHAT YOU
16 MEAN BY SCREENING TESTS?

17 A. NO, WHAT WE WERE WORKING ON IS
18 WHETHER OR NOT WE COULD IDENTIFY GENETIC
19 MARKERS WHICH WOULD TELL US WHICH PEOPLE DO
20 NOT HANDLE CHEMICALS WELL, SPECIFICALLY VINYL
21 CHLORIDE. SO, WE WERE SETTING UP A SYSTEM FOR
22 LOOKING AT WHAT IS CALLED H L A TISSUE TYPING.
23 THAT IS, THERE ARE CERTAIN MOLECULES ON CELLS
24 THAT IDENTIFY IT AS HAVING CERTAIN KINDS OF
25 GENETIC CHARACTERISTICS AND THERE ARE CERTAIN

2110163

1 DISEASES THAT OCCUR WITH MUCH GREATER
2 FREQUENCY IN PEOPLE THAT HAVE THAT KIND OF
3 GENETIC SETUP. AND WE WERE TRYING TO SEE IF
4 WE COULD DESIGN A SYSTEM TO IDENTIFY WHO
5 WOULD BE AT HIGH RISK

6 THE SECOND SET OF STUDIES WE
7 WERE DOING WERE ALSO TO LOOK --

8 Q. LET'S TALK ABOUT THAT FIRST ONE.

9 IT'S FAIR TO SAY THAT
10 DIFFERENT PEOPLE HAVE DIFFERENT SENSITIVITIES
11 TO CANCER CAUSING CHEMICALS; ISN'T IT?

12 A. THAT'S CORRECT.

13 Q. SOME PEOPLE MAY BE ABLE TO WORK WITH
14 IT 40 YEARS AND NEVER HAVE ANY EFFECT AND
15 SOME PEOPLE MAY BE ABLE TO WORK WITH IT FOR
16 THREE YEARS AND DEVELOP ANGIOSARCOMA OF THE
17 OF THE LIVER; ISN'T THAT CORRECT?

18 A. THAT'S A POSSIBILITY.

19 Q. AS A MATTER OF FACT, PEOPLE WHO GOT
20 THE ANGIOSARCOMA OF THE LIVER AT THE GOODRICH
21 PLANT -- AND I BELIEVE AT THE OTHER PVC
22 PLANTS -- WERE, AS I THINK YOU SAID,
23 REACTION CLEANERS, REACTOR CLEANERS?

24 A. MOST OF THEM WERE.

25 Q. HOW MANY OTHER PEOPLE IN THOSE

21110164

1 PLANTS WERE REACTOR CLEANERS OR HAD WORKED AS
2 REACTOR CLEANS AREA AT ONE POINTS IN THEIR
3 LIVES?

4 A. WELL, IN THE SLIDE WE SHOWED WITH
5 THE INDEX CASES IN RED, EVERY ONE THOSE
6 WORKERS, BECAUSE THOSE INDIVIDUALS WERE
7 MATCHED FOR THEIR WORK EXPOSURE AREAS. AND
8 SINCE ALL OF THEM -- SINCE IT WAS THE
9 POLICY OF THE COMPANY THAT EVERYONE HAD TO
10 COME WHEN THEY FIRST WORKED IN THE PLANTS TO
11 WORK AS A POLY CLEANER, ALL OF THEM HAD SOME
12 EXPERIENCE WORKING IN THE REACTORS.

13 Q. SO, ALL OF THE PEOPLE IN THE PLANT
14 AT ONE POINT OR ANOTHER, PROBABLY HAD
15 EXPERIENCE -- EXPERIENCED CLEANING REACTORS?

16 A. YES, BUT THE REACTORS WERE TWO TYPES.
17 YOU KNOW, THEY DID HAVE REACTORS THAT HAD TO
18 DO WITH SYNTHETIC RUBBER AND THOSE DOING WITH
19 SYNTHETIC PLASTICS. SO, THOUGH SOME OF THEM
20 WERE IN THE PLANTS WITH VINYL CHLORIDE IN THE
21 ATMOSPHERE, THEY WERE WORKING AT DIFFERENT
22 -- WORKING MORE INTIMATELY WITH DIFFERENT
23 SETS OF CHEMICALS.

24 Q. HOW MANY PEOPLE IN TOTAL AT THE
25 GOODRICH PLANTS WERE EXPOSED TO WHAT YOU

2110165

1 CALLED HIGH LEVELS OF VINYL CHLORIDE. I THINK
2 YOU SAID 50 TO 200 PARTS PER MILLION.

3 A. SOMEWHERE IN THE TUNE OF ABOUT 400,
4 500 OF THE 1,200.

5 Q. AND HOW MANY CASES OF ANGIOSARCOMA
6 WERE THERE WITHIN THAT GROUP?

7 A. IN THE TIME WE LOOKED AT IT, FOUR.

8 Q. AND THERE WERE NO FURTHER --

9 A. NO.

10 Q. -- CASES IDENTIFIED?

11 A. YOU'RE ASKING ME ABOUT THE 1,200
12 PEOPLE WE'VE STUDIED. THOSE WERE PEOPLE
13 EMPLOYED FROM 1974 UNTIL NOW. NOW, IN TOTO,
14 THERE'S BEEN ABOUT 55 HUNDRED EMPLOYEES AT
15 B.F. GOODRICH SINCE THE START OF THE PLANT
16 AND HAVE THEM, THERE'S BEEN 11 CASES OF
17 ANGIOSARCOMA.

18 Q. SO, 55 HUNDRED PEOPLE HAD RELATIVELY
19 HIGH EXPOSURES AND 11 DEVELOPED ANGIOSARCOMA
20 OF THE LIVER?

21 A. I THINK IT WOULD BE FAIR TO SAY THAT
22 PROBABLY ROUGHLY HALF.

23 Q. OKAY. 20 --

24 A. SAY 25.

25 Q. 25 HUNDRED, 11 DEVELOPED

2110166

1 ANGIOSARCOMA.

2 WHY DIDN'T THE OTHER 25,000
3 000 30 GET IT?.

4 MR. BENNEISEN: I EXCEPT --

5 MR. VASSALOTTI: I'M SORRY.

6 BY MR. VASSALOTTI:

7 Q. THE OTHER 0.2,500. 12,539

8 A. WELL, AS I POINTED OUT, THERE IS, AS
9 YOU'VE INDICATED AS A POSSIBILITY, THAT THERE
10 IS A BIOLOGICAL VARIATION IN PEOPLE IN HOW
11 THEY HANDLE THE CHEMICAL. THERE IS, ALSO, A
12 DIFFERENCE BY WHICH THE CHEMICAL HAS THE
13 CHANCE TO PRODUCE THE GENETIC INJURY. NOW,
14 SIMPLY BECAUSE YOU PRODUCE THE AGENT DOES NOT
15 NECESSARILY MEAN YOU'RE GOING TO PRODUCE
16 THOSE GENETIC INJURIES WHICH ARE GOING TO
17 LEAD THE CELL TO EVENTUALLY DEVELOP A
18 MALIGNANCY. NOW, EVEN IN THE ANIMAL STUDIES,
19 THE LARGEST PERCENTAGE THAT WAS ABLE TO BE
20 INDUCED WITH VINYL CHLORIDE EXPOSURE WAS 12
21 PERCENT. THAT MEANT THAT THE MAJORITY OF THE
22 ANIMALS WERE ABLE TO HANDLE THAT WHOLE RANGE
23 OF EXPOSURE WITHOUT THAT MULTIPLE COMPLEX
24 EVENT OCCURRING THAT ALLOWED THE DNA TO BE
25 INJURED.

2110167

1 NOW, IT'S THAT AREA THAT WE
2 REALLY LACK ADEQUATE KNOWLEDGE TO SAY
3 SPECIFICALLY WHY. THERE IS A LIMIT AS TO HOW
4 THESE TOXIC AGENTS CAN MANAGE THEIR TRIP FROM
5 THE CELL TO THE GENETIC MATERIAL. NOW, THOSE
6 THAT GET THROUGH HAVE THOSE POSSIBILITIES OF
7 DEVELOPING THE TUMOR. AND I THINK THAT
8 EXPLAINS, AT LEAST GIVES AN IDEA OF WHY.

9 Q. THAT'S MY POINT. THE FACT THAT NOT A
10 HUNDRED PERCENT OF THE PEOPLE EXPOSED -- AS
11 A MATTER OF FACT, THE MAJORITY OF THE PEOPLE
12 EXPOSED TO THIS CHEMICAL DID NOT DEVELOP
13 ANGIOSARCOMA OF THE LIVER IS NOT AN
14 INDICATION THAT THIS CHEMICAL DOES NOT CAUSE
15 THAT CANCER.

16 A. OH, THERE'S ABSOLUTELY NO DOUBT IN
17 ANYONE'S MINDS, AT LEAST NOT FROM A
18 SCIENTIFIC POINT OF VIEW, THAT VINYL CHLORIDE
19 DOES CAUSE ANGIOSARCOMA. THE QUESTION THAT
20 ONE IS ASKED IS IN EVERY CASE OF ANGIOSARCOMA,
21 IS IT VINYL CHLORIDE. AND THAT, I THINK, HAS
22 TO BE PROVEN BY EPIDEMIOLOGICAL OR BY
23 EXPERIMENTAL DATA.

24 Q. NOW, YOU'VE INDICATED, I THINK, THAT
25 THE LOW -- LOWER LEVEL OF EXPOSURE THAT THE

2110168

1 LIVER METABOLISES THE AMOUNT OF VINYL
2 CHLORIDE THAT GETS INTO THE BLOODSTREAM; IS
3 THAT CORRECT?

4 A. YES.

5 Q. YOU HAVE TO GIVE US VERBAL ANSWER,
6 DOCTOR.

7 A. YES, YES, I'M SORRY.

8 Q. HOW DID YOU DETERMINE THIS? DID YOU
9 DETERMINE THAT OR WAS THAT THE DOW CHEMICAL
10 COMPANY?

11 A. THESE WERE STUDIES DONE AT DOW AND
12 BY OUR OWN CHEMISTS AT THE UNIVERSITY OF
13 LOUISVILLE, DOCTOR WONG.

14 Q. AND WERE THESE IN HUMAN STUDIES OR
15 IN ANIMAL STUDIES?

16 A. THESE WERE ALL IN ANIMAL STUDIES.

17 Q. HOW DID YOU DO THESE ANIMAL STUDIES?

18 A. TAKE AN ANIMAL OF A CERTAIN SPECIE
19 AND GENETIC BREEDING ON AND LET THEM BREATHE
20 IN VINYL CHLORIDE AT DIFFERENT LEVELS OF
21 PARTS PER MILLION AND THEN DETERMINE BY
22 RADIOACTIVELY TAGGING THE VINYL CHLORIDE HOW
23 MUCH OF IT IS CONVERTED INTO ITS BYPRODUCTS
24 AND WHICH ROUTE. AND THIS IS DONE ON THE
25 TISSUE OF THE ANIMAL AFTER THE ANIMAL IS

6910112

1 EXPOSED TO IT. THERE ARE OTHER EXPERIMENTS
2 WHERE ONE ACTUALLY TAKES THE ANIMAL TISSUE
3 FROM A NON-EXPOSED ANIMAL AND ACTUALLY TAKES
4 A CULTURE. WE BREAK DOWN THE CELLS AND
5 GENERATE THEM OUT AND YOU ACTUALLY GROW THE
6 CELLS INDEPENDENTLY AND YOU EXPOSE THEM TO
7 THE AGENT AND THEN WATCH IN THE FLUID THAT
8 THE CELLS ARE LIVING AND HOW MUCH OF THE
9 PRODUCT IS PRODUCED.

10 Q. SO, YOU EXPOSED ANIMALS, RATS,
11 HAMSTERS, MICE, WHATEVER, TO AIR AND VINYL
12 CHLORIDE, MEASURED WHAT HAPPENED TO THE VINYL
13 CHLORIDE. AND THESE STUDIES SHOWED THAT AT
14 LOWER LEVELS UNDER THOSE SITUATIONS AT LOWER
15 LEVELS OF EXPOSURE -- AND WHAT DO WE MEAN
16 BY LOWER LEVELS OF EXPOSURE?

17 A. BY LOWER LEVELS, I'M USUALLY
18 SPEAKING ABOUT UNDER 25 PARTS PER MILLION.

19 Q. AND AT LOWER LEVELS OF EXPOSURE, THE
20 LIVER OF THESE ANIMALS METABOLIZED ALL OF THE
21 VINYL CHLORIDE AND RESULTED IN NON CANCER
22 CAUSING METABOLITES; IS THAT CORRECT?

23 A. THAT'S THE CONCLUSION THAT WAS DRAWN
24 ON THE BASIS OF THE FACT THAT NONE OF THE
25 ANIMALS EXPOSED AND WERE ALLOWED TO LIVE

2110170

1 DEVELOPED THAT KIND OF TUMOR, THAT THERE WAS
2 NO EVIDENCE THAT WHEN THESE ANIMALS WERE
3 EXPOSED, THAT THE LIVER CELLS SHOWED
4 HISTOLOGICAL EVIDENCE OR BIOCHEMICAL EVIDENCE
5 OF INJURY, AND THAT THE MATERIALS, THE
6 SUBSTANCES THAT DETOXYIFY THESE AGENTS WAS IN
7 SUFFICIENT QUANTITIES SO THAT LIVER CELL
8 DIDN'T HAVE TO MAKE MORE.

9 THE COURT: MR. VASSALOTTI,
10 WHEN YOU REACH A POINT WHERE YOU WANT TO STOP,
11 WE'LL TAKE OUR RECESS.

12 MR. VASSALOTTI: YOUR HONOR,
13 I'D JUST LIKE TO GO ON WITH A COUPLE MORE
14 QUESTIONS AT THIS POINT.

15 THE COURT: I'LL LEAVE IT AT
16 YOUR DISCRETION.

17 BY MR. VASSALOTTI:

18 Q. DOCTOR, YOU STARTED AT THE BEGINNING
19 OF YOUR TESTIMONY THIS MORNING AND YOU SAID
20 THAT THE MAJOR FUNCTION OF THE LIVER IS TO
21 DETOXYIFY CHEMICAL AGENTS -- NOT SO MUCH
22 CHEMICAL AGENTS, ANY FOREIGN AGENTS IN OUR
23 BODY; IS THAT CORRECT?

24 A. WELL, IT IS THE MAJOR DETOXYFYING.
25 IT IS NOT THE ONLY ONE.

2110271

1 Q. THAT'S WHAT I MEANT TO SAY. THE
2 LIVER IS THE PART OF OUR BODY THAT TRIES TO
3 CLEAN OUT IMPURITIES OR THINGS THAT SHOULD
4 NOT BE THERE AND IT DOES IT BY METABOLIZING
5 THEM?

6 A. YES.

7 Q. NOW, FROM YOUR ANIMAL STUDIES, IT
8 APPEARS AS THOUGH THE MORE VINYL CHLORIDE
9 THAT WAS GIVEN TO THESE ANIMALS -- AS MORE
10 VINYL CHLORIDE WAS GIVEN TO THESE ANIMALS,
11 THE LIVER REACHED A LEVEL WHERE IT COULDN'T
12 METABOLIZE IT FAST ENOUGH; IS THAT CORRECT?

13 A. THAT'S CORRECT.

14 Q. IS THAT A FAIR CHARACTERIZATION?

15 A. THAT'S CORRECT.

16 Q. AND THESE ANIMALS WERE ONLY EXPOSED
17 TO VINYL CHLORIDE?

18 A. THAT'S CORRECT.

19 Q. IN MR. GRASSO'S CASE, YOU WERE AWARE
20 THAT HE WORKED AT AN A CHEMICAL PLANT FOR
21 OVER 20 YEARS, WEREN'T YOU?

22 A. YES.

23 Q. WERE YOU AWARE THAT HE WAS ALSO
24 EXPOSED TO VARIOUS TYPES OF ORGANIC AND
25 INORGANIC CHEMICALS THERE?

21110172

1 A. YES.

2 Q. WOULDN'T HIS LIVER BE WORKING TRYING
3 TO METABOLIZE THE MATERIALS THAT HE WAS
4 EXPOSED TO AT DUPONT?

5 A. YES.

6 Q. AND THAT'S DIFFERENT FROM THE
7 ANIMALS THAT YOU STUDIED WHO WERE ONLY
8 EXPOSED TO VINYL CHLORIDE; IS THAT CORRECT?

9 A. YES.

10 Q. HAVE YOU EVER MADE ANY ANIMAL
11 STUDIES WHERE, IN ADDITION TO EXPOSURE TO
12 VINYL CHLORIDE, THE ANIMALS WERE EXPOSED TO
13 MULTIPLE OTHER CHEMICAL AGENTS?

14 A. OUR GROUP HAS PARTICIPATED IN SUCH
15 STUDIES. WE HAVE NOT CONDUCTED THEM.

16 Q. AND ISN'T IT A FACT THAT MR. GRASSO
17 OR THE ANIMALS IN THE STUDY ARE EXPOSED TO
18 OTHER CHEMICAL AGENTS, IN THIS CASE TO VINYL
19 CHLORIDE, AFFECT THE WAY THE LIVER -- THE
20 LIVER IS ABLE TO CLEAN OUT OUR SYSTEM AND
21 METABOLIZE THIS MATERIAL?

22 A. YES.

23 Q. IN OTHER WORDS, THE VINYL CHLORIDE
24 THAT HE WAS EXPOSED TO WOULD HAVE TO STAND IN
25 LINE AND WAIT FOR WHATEVER OTHER TOXINS WERE

2110173

1 IN HIS SYSTEM TO BE CLEANED OUT BY HIS LIVER
2 BEFORE IT WOULD BE METABOLIZED; ISN'T THAT
3 CORRECT?

4 A. WELL, FROM A -- YES AND NO. IN THE
5 SENSE THAT THIS IS -- THE STANDING IN LINE
6 BUSINESS MAY NOT BE CORRECT.

7 Q. WELL, I DON'T MEAN THAT IT ACTUALLY
8 STANDS IN LINE. I'M A LAYMAN, DOCTOR. I DON'T
9 UNDERSTAND EXACTLY HOW THE LIVER WORKS.

10 A. WELL, WHAT YOU'RE SAYING IS THAT
11 THERE WAS A COMPETITION FOR THE ABILITY OF
12 THE LIVER CELL TO GET RID OF THESE SUBSTANCES.
13 AND THAT'S TRUE OF ALL SUBSTANCES. NOW,
14 WHETHER THE LIVER SELECTIVELY TAKES CARE OF
15 ONE AGENT OR ANOTHER, THAT YOU CAN'T BE AS
16 SIMPLISTIC ABOUT IT. THE LIVER WILL DO THAT
17 AT TIMES.

18 Q. DOES IT DO THAT WITH VINYL CHLORIDE?

19 A. PARDON?

20 Q. DOES IT DO THAT WITH VINYL CHLORIDE?

21 A. IT WOULD HAVE TO DEPEND ON A GREAT
22 DEAL WHAT AGENTS IT WAS COMPETING WITH.
23 CERTAIN AGENTS WOULD IMPEDE IT, CERTAIN
24 AGENTS WOULD DO NOTHING AND CERTAIN AGENTS
25 WOULD SPEED IT UP.

2110174

1 Q. WOULD IT BE FAIR TO SAY THAT MR.
2 GRASSO'S EXPOSURE TO THESE CHEMICALS WOULD
3 DEFINITELY AFFECT THE RATE AT WHICH THE VINYL
4 CHLORIDE WAS METABOLIZED IN HIS LIVER?

5 A. I CAN ONLY SPECULATE TO THAT.

6 Q. WHAT WOULD YOUR SPECULATION BE.

7 MR. RENNEISEN: OBJECTION,
8 YOUR HONOR.

9 THE COURT: SUSTAINED. IS IT
10 TIME TO STOP, MR. VASSALOTTI, FOR OUR RECESS?

11 MR. VASSALOTTI: OKAY, YOUR
12 HONOR.

13 THE COURT: LET'S TAKE A TEN
14 MINUTE RECESS, LADIES AND GENTLEMEN.

15 (AT THIS TIME THE JURY LEAVES COURTROOM.)

16 THE COURT: WE'LL STAND IN
17 RECESS FOR TEN MINUTES.

18 (SHORT RECESS.)

19 THE COURT: MR. VASSALOTTI,
20 YOU MAY CONTINUE WITH YOUR CROSS-EXAMINATION.
21 BY MR. VASSALOTTI:

22 Q. DOCTOR TAMBURO, WHEN WE BROKE, WE
23 WERE TALKING ABOUT METABOLISM IN THE LIVER
24 AND HOW THE VARIOUS IMPURITIES WITHIN THE
25 BODY WOULD HAVE TO COMPETE WITH EACH OTHER TO

2110175

1 BE METABOLIZED AND PURIFIED FROM THE LIVER?

2 IS THAT CORRECT?

3 A. YES.

4 Q. YOU MENTIONED THAT VINYL CHLORIDE IS

5 A HYDROCARBON; IS THAT CORRECT?

6 A. I DON'T THINK I DID, BUT IT IS.

7 Q. I THOUGHT YOU TESTIFIED TO THAT IN

8 YOUR DIRECT.

9 ARE ALL OF US EXPOSED TO

10 HYDROCARBONS IN -- FROM OTHER SOURCES THAN

11 VINYL CHLORIDE?

12 A. I THINK THAT'S A REASONABLE

13 ASSUMPTION.

14 Q. AS A MATTER OF FACT, EMISSIONS FROM

15 AUTOMOBILES ARE HYDROCARBONS?

16 A. CORRECT.

17 Q. AND WHEN THESE HYDROCARBONS ARE

18 BREATHED IN OR INHALED AND ASSORBED IN THE

19 BLOODSTREAM, THEY, TOO, HAVE BEEN METABOLIZED

20 BY THE LIVER; IS THAT CORRECT?

21 A. YES.

22 Q. DID YOU GIVE THE -- YOU DIDN'T DO

23 IT. DID THE PEOPLE WHO STUDY THE METABOLISM

24 OF VINYL CHLORIDE IN THE RATS EXPOSE THE RATS

25 TO ANY OTHER HYDROCARBONS?

9/10/72

1 A. TO MY KNOWLEDGE, I ONLY KNOW OF ONE
2 GROUP THAT HAS DONE ANY LONG TERM EXPERIMENTS
3 OF MULTIPLE AGENTS.

4 Q. YOUR GROUP DIDN'T DO IT, THOUGH?

5 A. NO, WE COLLABORATED WITH THE GROUP
6 THAT DID.

7 Q. AND DID THOSE RESULTS SHOW THERE WAS
8 A COMPETITION BETWEEN THE VARIOUS
9 HYDROCARBONS FOR METABOLISM?

10 A. NO, THIS WAS A STUDY BY DOCTOR
11 RADICKE. WHAT THE STUDY SHOWED WAS THAT THE
12 COMBINED ASSOCIATION OF ALCOHOL AND VINYL
13 CHLORIDE INCREASED THE NUMBER OF TUMORS IN
14 THE ALCOHOL TREATED GROUP IN COMPARISON TO
15 THE VINYL CHLORIDE TREATED OR THE ALCOHOL
16 TREATED ALONE OR THE NONE-TREATED TREATED
17 ALONE.

18 Q. LET'S TALK ABOUT THAT. THAT'S THE
19 RADICKE WORK THAT DOCTOR EPSTEIN TALKED ABOUT.
20 YOU WERE HERE WHEN HE TESTIFIED.

21 A. THAT'S CORRECT.

22 Q. I THINK HE MENTIONED THAT IN A
23 DISCUSSION OF SYNERGISTIC EFFECTS OF
24 CHEMICALS. AGAIN, SYNERGISTIC EFFECTS OF --

25 A. THAT WAS HIS INTERPRETATION. WHAT A

2110177

1 SYNERGISTIC EFFECT IS THAT ONE AGENT, WHEN
2 ADDED TO ANOTHER AGENT INCREASES THE NUMBER
3 OF EVENTS THAT WOULD OCCUR THAT'S GREATER
4 THAN ADDING THEM TOGETHER. SO, IF ONE AGENT
5 COULD PRODUCE FIVE EVENTS, ANOTHER AGENT
6 COULD PRODUCE FIVE EVENTS, INSTEAD OF ENDING
7 UP WITH TEN, YOU END UP WITH 15 OR 20 OR SOME
8 NUMBER GREATER THAN TEN.
9 Q. NOW, DOCTOR, THESE STUDIES THAT YOUR
10 GROUP HAS CONDUCTED ON THE METABOLISM OF
11 VINYL CHLORIDE, WAS THAT IN ANY WAY FOUCED
12 THE MCA GRANT? YOU MAY HAVE ANSWERED THIS.
13 I'M NOT SURE.
14 A. IN THE PAST, IT HAS. PRESENTLY, IT'S
15 NOT.
16 Q. AND THAT -- THAT WAS THE MCA GRANT
17 THAT YOU TALKED ABOUT YESTERDAY THAT WAS
18 \$250,000 PER YEAR FOR THREE YEARS?
19 A. THE MAJOR RESEARCH THAT WAS DONE
20 WITH THAT GRANT WAS RELATED TO THE H L A
21 GENETIC TISSUE TYPING AND A SMALLER
22 PROPORTION WAS STARTED FOR THE METABOLISM
23 WHICH WE'VE SUBSEQUENTLY CONTINUED WITH
24 PRIVATE RESEARCH FUNDS.
25 Q. DOCTOR, THE SLIDES THAT -- SOME OF

21110178