

1 UNITED STATES DISTRICT COURT
2 DISTRICT OF MAINE3
4 ORIGINAL

5 K. MURDOCK MURRAY, SR., et al,)

6 Plaintiffs,)

7 vs.)

Civil Action

8 BATH IRON WORKS CORPORATION,)

Docket No 93-188-P-DMC

9 and)

10 EUGENE O. DAUPHIN, JR.,)

Defendants.)

11
12 DEPOSITION

13 Pursuant to due notice, the deposition of
14 RAYMOND D. HARBISON, Ph.D., was taken by the
15 Plaintiffs before Pamela A. Chorlog, C.M.,
16 Registered Professional Reporter and Notary Public,
17 State of Florida at Large, at the Progress Center,
18 One Progress Boulevard, Alachua, Florida,
19 commencing at 9:05 a.m., on Thursday, May 19, 1994.

20 APPEARANCES:

21 MARCIA CLEVELAND, ESQUIRE (VIA TELEPHONE), McTeague,
22 Higbee, Libner, McAdam, Case & Watson, 4 Union Park,
Topsham, Maine 04086, Counsel for Plaintiffs.

23 ARLYN H. WEEKS, ESQUIRE, Conley, Haley & O'Neil, Post
24 Office Box 651, Bath, Maine 04530, Counsel for
25 Defendant Bath Iron Works Corporation.

I N D E X

WITNESS:

RAYMOND D. HARBISON, Ph.D.

PAGE

Direct Examination by Ms. Cleveland

3

E X H I B I T S

Exhibit 164 (Curriculum Vitae)

3

Errata Sheet Attached

53

S T I P U L A T I O N

It is stipulated and agreed by and
between counsel for the respective parties as
follows:

1. All objections, except as to form of
the question, be reserved until
hearing on the same or until trial.

1 (Whereupon, Plaintiff's Exhibit Number
2 164 was marked for identification.)

3 Thereupon, RAYMOND D. HARBISON, Ph.D.,
4 being first duly sworn, testified as follows:

5 DIRECT EXAMINATION

6 BY MS. CLEVELAND:

7 Q. Okay, Dr. Harbison. I would like to
8 begin by asking you some questions about your
9 curriculum vitae. First of all, I understand that
10 the copy which is being introduced into evidence --
11 or which has been marked for introduction into
12 evidence as Exhibit 164 is your most current copy of
13 your CV?

14 A. Yes, ma'am.

15 Q. I have a copy which is 36 pages long,
16 which I understand from our prior conversation may
17 not be up to date.

18 A. That's correct.

19 Q. Just to make sure I know what's been
20 added, could you tell me what are the last items in
21 each of the different categories beginning with your
22 summary of experience? Has anything been added to
23 that before the Academy of Toxicological Sciences,
24 Board of Directors?

25 A. The last one I have is 1993 to present,

1 Academy of Toxicological Sciences, Board of
2 Directors.

3 Q. So I can assume that my copy is
4 reasonably complete with respect to that. Has there
5 been anything added to Industrial Experience, which
6 is on my Pages 6 and 7?

7 A. I don't know what you have. I don't
8 think so, but I can't see what you have.

9 Q. Is there anything very recent, any very
10 recent industrial experience? The first one I have
11 is "Occidental Oil - Worker safety in oil shale
12 production."

13 A. Correct.

14 Q. And the last one is "Diversitech/Gen
15 Corporation - Evaluation of mortality among
16 PCB-exposed tire and plastic fabricators."

17 A. Correct.

18 Q. Moving on to Grant Support, is there
19 anything new after Toxicology Support, DER, 1991 to
20 1993?

21 A. I don't have anything after that.

22 Q. Anything after the
23 Methamphetamine-Induced Toxicity for NIDA?

24 A. No.

25 Q. Turning to your Teaching Experience, is

1 there any addition to that? The first item I have
2 is Medical Toxicology at Vanderbilt Medical Center
3 and the last item I have is listed Health
4 Implications/Aspects of Chemical Issues, Superfund
5 University Training Institute, East Tennessee State
6 University.

7 A. That's correct.

8 Q. Okay. And any additions to the
9 Continuing Education? I have seven items on the
10 copy I've got.

11 A. So do I.

12 Q. And have there been any additions to your
13 list of publications? I have 113.

14 A. I have 132.

15 Q. Does that include the books and
16 monographs and reports?

17 A. I don't think so.

18 Q. Okay. Then could you immediately after
19 the deposition just provide me with an updated list
20 of your publications?

21 MS. WEEKS: I can get it to you by
22 tomorrow morning, Marcia.

23 MS. CLEVELAND: Okay, good. Thanks,
24 Arlyn.

25 Q. Okay, Dr. Harbison. Let me ask you some

1 questions about your background. Obviously I'm not
2 going to go through every item on your CV, but there
3 are areas that I would like to question you about
4 that are particularly relevant to this case. First
5 of all, your background is in toxicology and
6 pharmacology. Correct?

7 A. Correct.

8 Q. And what board certifications do you
9 hold?

10 A. Board certification in toxicology.

11 Q. And what is the board that does that
12 certification?

13 A. The Academy of Toxicological Sciences.

14 Q. Okay. And that is not a medical doctor's
15 certifying board. Am I correct about that?

16 A. It certifies medical doctors as well as
17 Ph.D.'s.

18 Q. But the certification is not one that is
19 a medical specialty exclusively. Is that correct?

20 A. That is correct.

21 Q. So you don't have to be a medical doctor
22 to qualify for that board certification?

23 A. You do not.

24 Q. And am I correct that you are not an
25 M.D., doctor?

1 A. That is correct.

2 Q. And you are not licensed to practice
3 medicine anywhere?

4 A. That is correct.

5 Q. Do you have any other board
6 certifications?

7 A. I do not.

8 Q. Is there a board certification in
9 epidemiology?

10 A. I don't know the answer to that.

11 Q. Could you please summarize for me the
12 research that you have done during the last five
13 years? And that would reach back to 1989.

14 A. I have evaluated the effects of chemicals
15 and their mechanisms of action to elucidate both the
16 harmful effects as well as the beneficial effects of
17 chemicals on the living system, and have evaluated
18 specifically the effects of various chlorinated
19 hydrocarbons and other chemicals as to the way that
20 they affect cells and the differences between the
21 effects in various cells and in various species.

22 Q. Okay. Now, could you be more specific
23 and tell me what chemicals you have studied? You've
24 said chlorinated hydrocarbons. Why don't you begin
25 by telling me specifically which substances in that

1 category you have done research on.

2 A. Okay. I'm not sure I'm going to be able
3 to remember them all. You want the last five years?

4 Q. Yes.

5 A. You want me just to name them?

6 Q. Yes.

7 A. Piperonyl butoxide, halothane,
8 bromobenzene, carbon tetrachloride, morphaline,
9 PCBs, ethylene dibromide, phenylpropanolamine,
10 polycyclic aromatic hydrocarbons, methanesulfonate.
11 Those are the ones that I could easily identify.

12 Q. Okay. Have you published the results of
13 the research in those substances which you've just
14 referred to?

15 A. Some of it, yes.

16 Q. And have those been peer-reviewed
17 publications?

18 A. They have.

19 Q. When you send me the copy of your updated
20 CV, could you indicate which publications in the
21 last five years you have just referred to in
22 answering this question; in other words, which
23 publications are peer-reviewed reports of your
24 research on these substances?

25 A. Sure.

1 Q. Have you done research on other
2 categories of chemicals in the last five years?

3 A. Yes.

4 Q. Could you tell us what those are?

5 A. Dioxin, dibenzofurans, metals, other
6 chlorinated hydrocarbons, like trichloroethylene,
7 perchloroethylene, methylene chloride. Those would
8 be the ones I could recall.

9 Q. And again if you could indicate which
10 publications on your list refer to that research, I
11 would appreciate it.

12 A. Wait a minute. I'm sorry, I didn't
13 understand that question then. I thought you were
14 asking for studies that have been done but haven't
15 been published as peer-reviewed --

16 Q. No, I'm sorry. I thought that originally
17 we were talking about chlorinated hydrocarbons and
18 then I wanted to ask you about other types of
19 chemicals or minerals that you have studied.

20 A. Well, I didn't understand it that way. I
21 thought you wanted to know all chemicals, so I
22 didn't answer that first question with just
23 chlorinated hydrocarbons.

24 Q. Well, have we now covered all the
25 substances that you've done research on or is there

1 something I have missed?

2 A. Those are all the ones I can reasonably
3 recall.

4 Q. And you mentioned metals. What metals?

5 A. Lead, cadmium, selenium (selenium).

6 Those would be the ones I could recall.

7 Q. Okay. And just to clarify what I want
8 with your CV, could you indicate on your CV which
9 articles in the last five years are reports of that
10 research that you have just referred to and also
11 indicate which of those articles are peer reviewed?
12 I'm trying to move on to the next phase of this
13 fairly quickly.

14 A. Okay, but I don't think we're
15 communicating. What you just asked me is metals
16 that I have studied. They have not resulted in
17 peer-reviewed publications.

18 Q. I understand that, and I'm just trying to
19 ask you to indicate which ones have on your list of
20 publications by indicating it on your list of
21 publications. I understand that, that not all of
22 these resulted in peer-reviewed reports and I just
23 need to know which ones did.

24 A. Okay.

25 Q. Now, when you said you did research on

1 them, were you directing that research or were you
2 spending time in the lab yourself?

3 A. It would have been both.

4 Q. How much of your time was spent actually
5 in the laboratory directly involved in the research?

6 A. Well, it will vary from week to week,
7 year to year, month to month. I would say it may
8 vary from 10 percent to 20 percent to 25 percent.

9 Q. And that is the percentage of research
10 time spent in the lab?

11 A. Yes, ma'am.

12 Q. And does that mean that the balance of
13 research time is administrative?

14 A. No. It means it would be writing,
15 reviewing results, summarizing results,
16 statistically analyzing results, writing
17 publications. It would be spent in those
18 activities.

19 Q. Okay. Now, of that research what
20 percentage was research in animal species?

21 A. I would estimate that probably about 80
22 percent.

23 Q. And what percentage was in human tissue
24 in vitro?

25 A. I'm not sure I could give you a

1 percentage for that. I couldn't. It's a small
2 percentage, but I couldn't identify what it is.

3 Q. Was any of that research clinical studies
4 of humans?

5 A. Yes.

6 Q. What was the clinical study?

7 A. It was a study of metabolism of various
8 amino acids and other protein materials in humans
9 looking for the rate of excretion or the pattern of
10 excretion of these materials from the human; also
11 looking at blood levels of lead and other chemicals.
12 That would be the general areas.

13 Q. Okay. And what was the purpose of this
14 research, and in particular the amino acid study,
15 the metabolism of amino acids?

16 A. To look at the efficacy of the
17 administration of certain materials as to their
18 bioavailability, that is, how much was taken up by
19 the body, and how much of that material would be
20 excreted, either unchanged or excreted as
21 metabolites.

22 Q. Was this a pharmacological study or a
23 toxicological study?

24 A. It would have been both.

25 Q. But was it a study designed to evaluate

1 the effectiveness of a drug or a would-be drug?

2 A. Yes.

3 Q. Have you done any epidemiology in the
4 last five years?

5 A. Yes.

6 Q. And what was that?

7 A. An evaluation of the morbidity and
8 mortality of workers at a facility using PCBs and
9 also fabricating plastic materials.

10 Q. And were the results of that study
11 published?

12 A. The study hasn't finished yet.

13 Q. Are there any drafts of the results?

14 A. There are not at the present time.

15 Q. Do you have a date by which you intend to
16 publish an article on that study?

17 A. I would hope that by the end of the
18 summer we would have a draft of that.

19 Q. And is that a draft that you would then
20 be submitting for publication?

21 A. Yes, ma'am.

22 MS. CLEVELAND: Arlyn, could you make a
23 note that when that draft becomes available I
24 would like to see it, see a copy of it?

25 MS. WEEKS: So noted.

1 Q. Now, in reviewing the copy of your CV
2 that I have, I notice that you serve on 13 different
3 boards at the present time. Is that accurate?

4 A. I don't know. I would have to look.

5 Q. Why don't you take a minute.

6 A. I see five.

7 Q. Could you tell me what those five are?

8 A. The Board of Directors of the Academy of
9 Toxicological Sciences; the Advisory Board of Earth
10 2020, University of Virginia; the Science Advisory
11 Board Consultant for the Environmental Protection
12 Agency; the Board of Advisors of the Environmental
13 Institute; and the editorial board of
14 Teratogenicity, Mutagenicity and Carcinogenicity.

15 Q. So in that list -- maybe I misunderstood
16 the Summary of Experience. The other items in that
17 list under Summary of Experience which you indicate
18 are to the present include serving on the faculty of
19 educational institutions?

20 A. Correct.

21 Q. Let me just ask you about the five boards
22 you are on now. How much time per week do you
23 devote to the work of those boards all together?

24 A. I don't serve or spend time on a weekly
25 basis on those boards.

1 Q. How much do you spend a month or a year?

2 A. Probably a day a year.

3 Q. Do you attend all the meetings of those
4 boards?

5 A. Not all of them.

6 Q. For example, the editorial board of the
7 publication about mutagenicity, how often does that
8 board meet?

9 A. It doesn't meet annually. What I do is I
10 receive materials, provide peer review, and most of
11 that is done through the mail.

12 Q. How much of your time is spent peer
13 reviewing works for that publication or any other
14 publications?

15 A. It would vary.

16 Q. Between what and what?

17 A. Well, it would depend on the month, it
18 would depend on the year. Maybe as much as 10
19 percent of my time sometimes and it may be as little
20 as a few percent.

21 Q. On the other four boards which are not
22 editorial boards, do you spend any time other than
23 meetings on the work associated with those boards?

24 A. Yes.

25 Q. How much of your time is devoted to

1 those?

2 A. Well, again it would depend on the year,
3 it would depend on the month. It depends on what
4 the board is doing. It may be a few percent or it
5 may be no percent depending on what the board is
6 doing at what time.

7 Q. Now, your teaching experience, how much
8 time do you spend teaching and preparing courses for
9 teaching?

10 A. I would estimate that -- again that
11 varies. It depends on the semester. It's probably
12 about 10 percent, 15 percent.

13 Q. So 10 to 15 percent when you are
14 teaching, that is, during a semester when the course
15 is being offered?

16 A. That's correct.

17 Q. What is your position at the University
18 of Florida?

19 A. I am a professor of pathology, toxicology
20 and pharmacology and Director of the Laboratory for
21 Environmental and Human Toxicology.

22 Q. Now, when you take all those duties --
23 well, first of all, let's take the director of the
24 center. How much of your time is spent doing
25 administrative work related to the center?

1 A. It's a laboratory. The laboratory
2 administration is minimal, a few percent. Most of
3 it I spend in research, and that's the percentages
4 we've talked about.

5 Q. So the administration, does that overlap
6 with the figure you gave me for research?

7 A. Yes, it would.

8 Q. Now, I think when I was asking you about
9 research, I was asking for a breakdown of how you
10 spend your research time, but I don't believe I
11 asked you the total percentage of your time you
12 spend on research. What would that total be?

13 A. I would estimate that it's probably about
14 30 percent. It will vary, 30, 40 percent.

15 Q. Now, when you say research, do you
16 include in that the work that you do for industry
17 which you have designated as industrial experience
18 on your CV?

19 A. I do not.

20 Q. So that's a separate category, right?
21 Industrial experience is a separate category from
22 research?

23 A. Well, it may be. Some research may occur
24 as a result of industrial work and some of it may
25 not be, so there may be some research in there.

1 Q. What percentage of your time is spent
2 doing industrial work which doesn't come under the
3 heading of research?

4 A. This is any kind of --

5 Q. Any kind of industrial contract that you
6 haven't already accounted for.

7 A. But for research?

8 Q. No. Any industrial experience that is
9 not research, that you did not include in that
10 figure of your time spent on research.

11 A. Okay, I'm not sure I can do it that way.
12 I can tell you how much time I spend in the practice
13 of toxicology. That would include environmental,
14 industrial, forensic, it would include all of those
15 areas. I could give you a figure for that.

16 Q. Okay, do that. Then I can ask you some
17 more questions.

18 A. Okay. That's probably 40 percent, maybe
19 as much as 50 percent depending on the time.

20 Q. And of that 50 percent how much of that
21 50 percent is spent testifying either in
22 administrative proceedings or in court proceedings?

23 A. It varies. I would estimate between 15,
24 30 percent.

25 Q. Is that 30 percent of 50 percent or 30

1 percent of the total?

2 A. No, that would be 30 percent of my time.

3 Q. And what is the nature of the other
4 industrial work that you do that isn't testifying
5 and isn't strictly research?

6 A. It would be the practice of toxicology,
7 providing technical assistance to the United States
8 Environmental Protection Agency for hazardous waste
9 site investigation, for evaluating the impact of
10 chemical releases, chemical spills, providing
11 technical assistance to emergency rooms and on-scene
12 coordinators and field investigation teams, managing
13 medical surveillance programs. Those would be the
14 general areas.

15 Q. Now I have one question about
16 publications before I forget it. Isn't it true,
17 Dr. Harbison, that in listing the authors of the
18 publication, the author listed first is the one who
19 spent the most time on the research and the second
20 is the second-most and so on and so forth?

21 A. No.

22 Q. How would you describe the system for
23 deciding who is listed first?

24 A. Well, I don't know that there is a
25 system. I can certainly tell you how it's done in

1 my lab.

2 Q. Okay. How is it done in your lab?

3 A. It depends on who initiated the work, who
4 is responsible for the work. It may or may not be
5 relevant to the time spent. It depends on whether
6 it's a student or a postdoctoral fellow or another
7 faculty person. All of those factors would be
8 considered in who ends up being the first name.

9 Q. And if it's a postdoctoral candidate or a
10 student, would they tend to be further down the
11 list?

12 A. No.

13 Q. So in other words, there are situations
14 in which they would come first?

15 A. Yes.

16 Q. And what would those situations be?

17 A. If it's their dissertation research or if
18 it is their postdoctoral training research project,
19 they would probably most likely be first.

20 Q. In your list of publications I notice
21 there are many where you are the second listed.
22 There are many where you are not, but there are
23 many, especially toward the latter part of your list
24 of publications, where you are second. Does that
25 mean that your role was a supervisory one?

1 A. No.

2 Q. What was your role? For example, let me
3 give you the ones that I have. I've got Number 113,
4 Roberts, S.M., R.D. Harbison and R.C. James,
5 "Ethanol inhibition of cocaine esteratic
6 metabolism," The Toxicologist, and that's the last
7 one I've got on my list. What was the situation
8 there?

9 A. What was the situation?

10 Q. Yes. Why is Roberts first, you second
11 and James third?

12 A. Well, probably because Roberts did the
13 work in the lab and I did some of the work, but not
14 all of the work, and he may have written it and I
15 may have ultimately reviewed it and approved it.
16 I'm not really sure what the mechanism was for that
17 particular paper.

18 Q. Okay. Now I would like to ask you some
19 questions about the sources first for your personal
20 income and then I will focus on the sources of your
21 center's income. What percentage of your income
22 comes from research?

23 A. It varies from year to year. It may
24 range as high as 50 percent or sometimes greater and
25 it may be lesser depending on what's going on in a

1 particular year or a particular month.

2 Q. And what's the low end? You said it may
3 range up to 50 percent or more. What's the lower
4 end of the range?

5 A. I would say probably 30 percent.

6 Q. What percentage comes from work for
7 industry or government contracts that are not
8 research?

9 A. I'm sorry, I don't understand that
10 question.

11 Q. Well, I think before we discussed a few
12 different categories of your work. One is research,
13 one is industrial or environmental work which is not
14 research, and the third one was testifying in
15 proceedings. And I'm trying to get a breakdown of
16 income from those three basic areas of your work.
17 So what would be the contracts with industry that
18 are not included in the research figure you just
19 gave me?

20 A. No, but remember, I said it's not a
21 contract with industry. It is a practice of
22 toxicology.

23 Q. But I assume you get paid for that at
24 some point.

25 A. Sure.

1 Q. And I'm just trying to get at what
2 percentage of your income gets paid for, as you
3 characterize it, the practice of toxicology?

4 A. The practice of toxicology would probably
5 range between 30 percent and 40 percent.

6 Q. And what percentage of your income comes
7 from testifying in administrative or court
8 proceedings and providing support for such
9 proceedings?

10 A. I would estimate that ranges from 15 to
11 20 to 30.

12 Q. Now let me turn to the center that you
13 administer. What percentage of its income --

14 A. Excuse me, it's not a center, it's a
15 laboratory.

16 Q. Okay, a laboratory. There's no entity
17 larger than the laboratory?

18 A. No, ma'am.

19 Q. What percentage of the laboratory's
20 income comes from research grants other than the
21 State of Florida?

22 A. I would estimate that it's probably 80
23 percent.

24 Q. And does the State of Florida contribute
25 some support to the laboratory?

1 A. It does.

2 Q. Is that the remaining 20 percent?

3 A. Yes, ma'am.

4 Q. And there's no other sources we haven't
5 covered. Am I right about that?

6 A. I'm sorry, I'm not understanding the
7 question. I only gave you one source.

8 Q. One source was research and you said that
9 was about 80 percent, and I said is the balance from
10 the State of Florida?

11 A. No, no. I thought your question was what
12 support did the State of Florida provide.

13 Q. Right.

14 A. Okay. The answer to that is about 20
15 percent.

16 Q. And I'm just trying to establish, and
17 this may seem obvious, but you said about 20
18 percent, and 20 plus 80 is 100 percent. Are there
19 any sources of funding for your laboratory other
20 than the two we have just discussed?

21 A. I'm sorry, I'm not understanding. I've
22 only discussed one.

23 Q. You discussed research and the State of
24 Florida. I first asked you what percentage of your
25 income came from research other than from the State

1 of Florida and you said 80 percent.

2 A. Correct.

3 Q. Then I asked you how much came from the
4 State of Florida and you said about 20 percent.

5 A. Correct.

6 Q. And I'm just asking you, is there another
7 source of funding for your laboratory that we
8 haven't covered?

9 A. Yes.

10 Q. What's that?

11 A. I mean you haven't asked me about the 80
12 percent.

13 Q. Well, I'll get into that. I'm happy to
14 get into that.

15 A. I'm sorry.

16 Q. All right. What is in the 80 percent?

17 A. The National Institutes of Health.

18 Q. Is that exclusively the National
19 Institutes of Health?

20 A. You mean the 80 percent?

21 Q. Yes.

22 A. It would be predominantly the National
23 Institutes of Health. We do have some other support
24 that comes along now and then from pharmaceutical
25 industries, from other industries. It would be a

1 small percentage of the 80 percent.

2 Q. Okay. I would like to turn your
3 attention to your list of grant support. It's Pages
4 8 and 9 of the copy of the CV that I have. And
5 let's just deal with the ones that are relatively
6 current, like starting with the Study of the
7 Reproductive Toxicity of Selected Chemicals. From
8 there to the end of the list which ones are sources
9 of funding other than the National Institutes of
10 Health or the Florida DER?

11 A. Okay. The one that you started with, the
12 Study of Reproductive Toxicity of Selected
13 Chemicals, that source is not either NIH or DER.
14 It's from the National Foundation, March of Dimes.

15 The next one would be the Studies of
16 Isomer Specific PCB-Induced Toxicity. That's a
17 cooperative agreement with Rutgers College of
18 Medicine. That is neither of those other two
19 entities. Those would be the two.

20 Q. All right, thank you.

21 When were you first hired by Bath Iron
22 Works in this case?

23 A. I wasn't hired by Bath Iron Works.

24 Q. Have you ever been hired by Bath Iron
25 Works?

1 A. Not that I know of.

2 Q. Have you ever been retained by Bath Iron
3 Works?

4 A. Not directly that I know of.

5 Q. So are you being paid by Bath Iron Works?

6 A. I don't know who is paying my bill. I
7 assume that that is probably the case.

8 Q. And when did you first reach an agreement
9 to do some work that would result in your being paid
10 by Bath Iron Works?

11 A. Well, again I don't know that I'm being
12 paid by Bath Iron Works. I suspect that's probably
13 the case, but I don't have any dealings with Bath
14 Iron Works.

15 Q. Okay. When did you first enter into some
16 sort of arrangement which led us to this deposition
17 today?

18 A. Probably it would have been in December,
19 or January of 1994.

20 Q. And what is your understanding of who is
21 going to be paying for this service?

22 A. I am to submit my bills to Arlyn Weeks or
23 Constance O'Neil.

24 Q. So you are being paid through their law
25 firm?

1 A. I don't know the arrangements for
2 payment. I simply send a bill.

3 Q. How much time have you spent on this
4 matter since being retained either by Constance
5 O'Neil or Arlyn Weeks?

6 A. I would estimate that it has probably
7 been about three days.

8 Q. And by that you mean three eight-hour
9 days?

10 A. Correct.

11 Q. So about 24 hours?

12 A. That's probably about right.

13 Q. And how much of that time was preparation
14 for this deposition?

15 A. I didn't spend any time specifically
16 preparing for this deposition, but obviously all of
17 that work I suspect would be in preparation
18 ultimately for providing opinions.

19 Q. Are you compensated based on an hourly
20 rate?

21 A. Yes, ma'am.

22 Q. And what is that hourly rate?

23 A. \$175.

24 Q. And is that the same for testifying? Is
25 it the same hourly rate when you testify?

1 A. It is.

2 Q. During the time that you spent on this
3 matter, what did you review?

4 A. I have reviewed the complaint, the letter
5 from Mr. Jack Krueger --

6 Q. What is the date on that letter?

7 A. That would be August 7, 1979.

8 Q. Okay.

9 A. The letter from Mr. Frances Drake, the
10 test results from the Dauphin-Bath wells.

11 Q. And what is the date on that one?

12 A. 3/19/80. Additional test results dated
13 3/27/80, the State of Maine interdepartmental
14 memorandum to Mr. Ed Pinkham from Mr. Jack Krueger.

15 Q. And the date of that? Why don't you just
16 list them each with the date.

17 A. Okay. That would be 4/9/80. The trip
18 report for Robert Herman, dated 11/13/86; the
19 Citizens' Environmental Laboratory data report,
20 dated 4/25/92; the letter from Richard Wardell,
21 dated 6/15/92; the Citizens' Environmental
22 Laboratory data, dated 6/17/92; the Citizens'
23 Environmental Laboratory data, dated 6/22/92; the
24 summary of the Bath Iron Works split sample results,
25 dated 6/19/92; the summary of the report of sampling

1 results, Maine Department of Environmental
2 Protection, dated 8/7/92; the letter from Corrine
3 McCandless, dated 8/25/92; the letter from Mr. James
4 Nichols, dated 8/31/92; the Citizens' Environmental
5 Laboratory data report, dated 2/4/93; the Phase I
6 Remedial Investigation Report, dated 10/13/93; the
7 revised Phase I Remedial Investigation Report, dated
8 2/18/94; the medical records of Stephen Varney,
9 Lydia Murray, Pam Varney and Denise Wilhelmi; the
10 depositions of Dr. McLellan, Dr. Clapp and
11 Dr. Winters.

12 That would be all.

13 Q. Okay. Have you ever been to Bath, Maine?

14 A. I don't believe so.

15 Q. Have you ever been to the Dauphin site?

16 A. No, ma'am, I have not.

17 Q. Did you review any material from the
18 plaintiff's hydrogeological expert, Ken Stone,
19 concerning the remedial investigation?

20 A. I don't believe so.

21 Q. Did you review the residential well
22 results from 1986 and 1987? I didn't hear it
23 offhand in the list that you gave me.

24 A. Yes. I don't think so.

25 Q. Do you know where the plaintiffs in this

1 case lived in relationship to the Dauphin site?

2 A. Their specific locations I do not.

3 Q. Do you know the relative distance from
4 the plaintiffs' houses to the Dauphin site?

5 A. I don't recall the specific distance.

6 Q. Did I hear you unrolling a map to look at
7 it?

8 A. You did.

9 Q. Okay. What map is that that you were
10 looking at?

11 A. I am looking at the sediment sample
12 map --

13 Q. If you can give me the number, we can
14 track it down later when we read the transcript.

15 A. The number?

16 Q. Yes. It should have a figure number in
17 the lower right-hand corner.

18 A. I'm sorry, it does. 41-B.

19 Q. Okay. So I think the question we had,
20 which I'm not sure you've answered, was do you know
21 how close the plaintiffs' houses are to the Dauphin
22 site?

23 A. I don't specifically know, not for each
24 of the plaintiffs.

25 Q. I think you've probably answered this,

1 but do you know what the plaintiffs were exposed to
2 in their wells when their wells were still in use,
3 what was in that well water?

4 A. I haven't seen any data for the
5 individual plaintiffs that would indicate what was
6 in their water at any specific time.

7 Q. Now, I'm going to ask you some questions
8 about your views on carcinogenesis, which I know you
9 have spent quite a bit of time on. And what I'm
10 looking for here is, as I say, your views and your
11 opinions on these general issues.

12 First of all, do you believe that
13 chemicals are capable of inducing cancer in humans?

14 A. Some chemicals, yes.

15 Q. And when we use the term cancer, just so
16 that we're sure we're talking about the same thing,
17 what is your definition of cancer?

18 A. Cancer is by definition a metastatic
19 process. It is a cell transformation that results
20 in a cloning process whereby cells that are now able
21 to invade surrounding tissues and metastasize
22 throughout the body are created; and their growth is
23 not suppressed or repressed, so it is a
24 transformation to a new cell, and the subsequent
25 cloning of those cells which results in a metastatic

1 for the demonstration that it is a human carcinogen
2 is fairly weak, but it certainly is for regulatory
3 purposes, and for those purposes I would certainly
4 concur that it is a Class A regulated human
5 carcinogen.

6 Q. Are there other substances which are
7 regulated as Class A human carcinogens that you
8 personally don't consider carcinogens?

9 A. I don't remember all the Class A, so I
10 couldn't answer that question.

11 Q. I'm sorry.

12 A. I can't answer the question without
13 knowing what they are.

14 Q. Now, I understand that you believe that
15 there are different types of mechanisms by which
16 chemicals cause cancer in humans. Is that correct?

17 A. That is correct.

18 Q. And some chemical carcinogens you would
19 consider initiators. Is that correct?

20 A. That is correct.

21 Q. Would you tell me how you define an
22 initiator?

23 A. An initiator is a chemical that is able
24 to interact with the DNA of a cell. It is able to
25 irreversibly bind or damage the DNA, resulting in a

1 DNA that now has an error that can ultimately be
2 expressed and ultimately cloned.

3 Q. Now, in your view is a chemical
4 carcinogen an initiator if it is metabolized in the
5 body into another substance which then interacts
6 with the DNA? Do you call that situation an
7 initiator?

8 A. Well, it could be if it meets the
9 definition of what I just described as an initiator.

10 Q. Okay. So the fact that a chemical is
11 metabolized in the body into an intermediate which
12 then interacts with the DNA does not necessarily
13 disqualify it as an initiator?

14 A. It does not.

15 Q. Of the known human carcinogens that you
16 have mentioned so far, are any of them considered to
17 be -- do you consider any of them initiators?

18 A. Let's see. We talked about vinyl
19 chloride, we talked about benzene and hexavalent
20 chromium.

21 Q. And maybe arsenic.

22 A. And maybe arsenic. I would not consider
23 benzene as an initiator, I would not consider
24 chromium or arsenic as initiators. Vinyl chloride I
25 probably would not consider to be an initiator,

1 either.

2 Q. Okay. Can you give me an example of a
3 known human carcinogen that you consider an
4 initiator?

5 A. Well, I can't think of one at the present
6 time. It would have to be a substance again that
7 can interact with the DNA. It would have to be an
8 alkylating agent or some substance that would
9 ultimately alkylate or interact irreversibly with
10 DNA.

11 Q. Okay. When you say interact irreversibly
12 with DNA, does that mean necessarily that the
13 chemical alters the DNA in a way which is cloned?
14 Is cloning a crucial part of your definition of an
15 initiator?

16 A. I'm sorry, I did not hear that.

17 Q. In your definition of what constitutes an
18 initiator, is it essential that after the
19 interaction takes place with the DNA and the DNA is
20 altered, that that alteration is then cloned? Is
21 that a crucial part of your definition?

22 A. Well, an initiator may not initiate
23 cancer. If the DNA damage is repaired and if the
24 DNA damage is not cloned, it won't initiate cancer.
25 So an initiator is a description of a substance that

1 is able to interact with DNA and potentially can
2 cause a change in the DNA, but unless that DNA
3 change occurs and is cloned, then there won't be the
4 initiation of the transformation of the cell.

5 Q. Now, for carcinogens that you consider to
6 be initiators, is there a safe threshold for a
7 population? I'm not talking about whether an
8 individual will or will not develop cancer, but when
9 you look at the full exposed population, can you
10 establish a safe threshold for initiators?

11 A. Yes, I believe so.

12 Q. And why is that? Why do you believe that
13 a threshold can be established?

14 A. Well, a threshold can be established
15 because we now know that there are many repair
16 processes for repairing damaged DNA. And it was
17 recently reported that every single DNA of every
18 single cell of the body is damaged probably about
19 10,000 a day, and therefore, there are lots of
20 damages that occur to DNA which are very efficiently
21 repaired by a variety of enzyme systems, and an
22 initiator would have to overwhelm that repair system
23 in order to ultimately damage the DNA to result in
24 an irreversible damage that could ultimately go on
25 to be cloned.

1 So based upon the background damage that
2 occurs to DNA and based upon the efficiency of that
3 DNA repair, there are exposures to even initiators
4 that are without risk based upon that knowledge of
5 molecular biology and based upon the stoic geometry
6 of those reactions and the damage that could occur
7 to the DNA in those cells.

8 Q. What is that article that you just
9 referred to about DNA repair?

10 A. There are many articles on DNA repair. I
11 mean there are hundreds, thousands. Bruce Ames is
12 the fellow who recently evaluated the daily
13 bombardment of DNA and the frequency of DNA damage.
14 He published those calculations probably, I don't
15 know, I'll estimate five to eight years ago.

16 Q. Do you remember the journal it was
17 published in?

18 A. I do not.

19 Q. Do you know of any studies which have
20 established where a threshold is for any particular
21 carcinogen that you consider is an initiator? In
22 other words, by that I mean, you know, a study which
23 actually shows here is a threshold below which we
24 cannot observe an increased risk.

25 A. Sure.

1 Q. What are those for initiators?

2 A. For initiators?

3 Q. Right, a chemical that you consider an
4 initiator.

5 A. Well, I couldn't give you an example of
6 an initiator. I would have to look at that. I
7 can't answer.

8 Q. If you can find a citation for an article
9 like that, please provide it to Arlyn and she can
10 give me the citation and I can get it up here.

11 A. Sure.

12 Q. But I would like to know what that
13 citation would be.

14 By the way, do you know if Bruce Ames has
15 done any further work on the issue of the amount of
16 DNA damage and DNA repairs in that article you
17 referred to?

18 A. Oh, he has, yes.

19 Q. So he would have further publications on
20 that issue you believe?

21 A. Yes.

22 Q. Now let's move on to promoters. Could
23 you give me an example of some chemical carcinogens
24 that you -- maybe that's not the right word if they
25 are promoters, but anyway, chemicals which you

1 believe can play the role of promoters in
2 carcinogens?

3 A. There are different kinds of promoters.

4 Q. Okay. What are the different kinds?

5 A. There are chemicals that can produce
6 chronic inflammation, chronic irritation; as a
7 result of that chronic inflammation and irritation
8 can produce ultimately a tumor, or cancer. That
9 would certainly be one form of promotion.

10 There are other chemicals that can alter
11 cell-cell communication. That would be another form
12 of promotion. So there are different mechanisms of
13 promotion.

14 For example, the carcinogenicity of
15 benzene would be a promotion phenomenon whereby a
16 chronic inflammation, chronic irritation could
17 ultimately result in an acute myelogenous leukemia.
18 That would certainly be one example.

19 Q. Okay. Well, is asbestos another example
20 of chronic inflammation in your view?

21 A. It would be chronic injury, and chronic
22 injury resulting in chronic inflammation, yes.

23 Q. Do you know of any studies establishing
24 the threshold of asbestos exposure below which
25 cancers are not induced?

1 A. Yes.

2 Q. What are those?

3 A. Well, there are regulatory standards that
4 are the basis for safe levels of exposure. Those
5 would be the permissible exposure limits.

6 Q. I wasn't really addressing regulatory
7 standards.

8 A. Well, I know. You're asking me for the
9 literature. I'm not going to be able to just
10 remember the literature for you. I can certainly
11 provide you with a list of the literature that would
12 be my opinion with regard to the basis for the
13 setting of those standards or that there is a
14 threshold below which there is no increased risk for
15 cancer.

16 Q. Okay. I would just like a citation to
17 what you consider to be the most important
18 literature supporting a threshold for asbestos. You
19 don't have to give me a lot of citations, but
20 whatever it is you would personally rely on for that
21 proposition.

22 A. Okay, as long as you're not going to hold
23 me to just the one I provide to you.

24 Q. No. But as long as you give me something
25 that fairly represents what you rely on for your

1 opinion.

2 A. Sure.

3 Q. Okay? I just don't need 10 pounds of
4 citations.

5 Now, with benzene, you described that
6 process as a chronic inflammation. What cell is
7 being clinically inflamed in benzene-induced
8 cancers?

9 A. It's the metabolism of benzene in the
10 marrow, which ultimately results in the chronic
11 inflammation and chronic injury.

12 Q. But what cell is being chronically
13 inflamed and injured in your view?

14 A. Well, it's the stem cell process. I am
15 not prepared to give you the specific cell.

16 Q. So are you telling me that neither
17 benzene nor any of its metabolites interacts with
18 DNA or RNA?

19 A. I don't know of any specific metabolites
20 that interact that would result in DNA damage.

21 Q. Let's move on to PCBs, because you
22 mentioned that you have done some recent research on
23 that. Do you believe that PCBs are a promoter in
24 chemical carcinogenesis?

25 A. Under certain circumstances of exposure

1 PCBs can be promoters.

2 Q. And what are those circumstances?

3 A. There are studies that show that
4 depending on when you administer PCBs you can
5 enhance carcinogenicity of some chemicals, for
6 example, liver carcinogens, and in other instances
7 you can retard or inhibit or block the
8 carcinogenicity of other chemicals.

9 Q. So if PCBs and vinyl chloride, for
10 example, were present together, would PCBs in your
11 view enhance the carcinogenicity of vinyl chloride
12 if that's a liver carcinogen?

13 A. I don't know of any biologically
14 plausible mechanism by which PCBs would enhance the
15 carcinogenicity of vinyl chloride.

16 Q. What do you mean by biologically
17 plausible?

18 A. Well, any biological data that would
19 support the interaction between the polychlorinated
20 biphenyl and the vinyl chloride.

21 Q. Could you tell me, give me an example of
22 a liver carcinogen that you feel PCBs would enhance,
23 that is, would increase the carcinogenic impact?

24 A. I don't recall the specific chemicals. I
25 think there were various nitrosamines that were

1 studied.

2 Q. Let's get back to biological
3 plausibility. How do PCBs work as promoters?

4 A. PCBs are enzyme inducers, and as enzyme
5 inducers can enhance the conversion of chemicals
6 from one form to a biologically active form and in
7 that process may promote the carcinogenicity of
8 those compounds whose metabolism is enhanced as a
9 result of that interaction.

10 Q. And going back to vinyl chloride, does
11 the vinyl chloride molecule itself in your view
12 interact directly with DNA or is it metabolized with
13 an intermediate which interacts with DNA or RNA?

14 A. It's metabolized to an intermediate.

15 Q. Where does that metabolism take place?

16 A. In the endothelial cells. I'm sorry,
17 that's not correct.

18 Q. Okay. Where?

19 A. It occurs in the parenchymal cells. The
20 endothelial cell is the cell that is ultimately
21 affected as a result of those metabolites.

22 Q. When you say parenchymal cells, you mean
23 the lining of the -- the inside of the lungs?

24 A. No, the liver. Parenchymal cells.

25 Q. But inside the liver?

1 A. Well, the liver parenchymal cells are the
2 cells of the liver, the hepatic parenchyma.

3 Q. Okay, that's what I'm trying to clarify.
4 We're talking about liver parenchyma?

5 A. Yes, ma'am.

6 Q. Do PCBs accumulate in the fatty tissue of
7 humans?

8 A. They can.

9 Q. Okay. Let's talk about dioxin, because I
10 know you've testified on dioxin issues on a number
11 of occasions. What do you consider to be the known
12 toxic effects of dioxin?

13 A. Dioxin can produce chloracne, and that is
14 the only effect that has been demonstrated, adverse
15 effect that has been demonstrated to be associated
16 with exposure to dioxin.

17 Q. And at what levels of exposure can
18 chloracne occur?

19 A. By levels you mean what would be in the
20 environment?

21 Q. Well, in the environment and to which
22 humans were exposed.

23 A. That's a difficult question to answer
24 because exposure is only the opportunity for
25 contact. So the fact that dioxin is in the

1 environment doesn't mean it gets into your body.

2 Q. That's true.

3 A. So talking about concentrations in the
4 environment is really not very relevant without
5 knowledge about how much gets into the body. Within
6 the body the normal circulating level of dioxin is
7 around seven, eight parts per trillion. It would be
8 orders of magnitude greater than that that would be
9 associated with chloracne.

10 Q. So it would be in the hundreds of parts
11 per trillion?

12 A. Yes, ma'am.

13 Q. And does dioxin accumulate in the body,
14 in any of the tissues of the human body?

15 A. It can.

16 Q. Where does it tend to accumulate?

17 A. In the body fat.

18 Q. Now, do you consider dioxin to be a
19 chemical which is capable of intensifying the
20 carcinogenic effect of other chemicals?

21 A. I would not consider that to be so.

22 Q. Let me go back and ask you a much more
23 general question about your views on the value of
24 research in animals and research in humans. Could
25 you just summarize your view of the role of the

1 animal studies in toxicology?

2 A. Animal studies are useful for evaluating
3 chemicals for which there is little or no
4 information, useful for evaluating the potential
5 toxicity of a chemical. For regulatory purposes
6 that information can be used for public policy
7 purposes for regulating a chemical.

8 For example, if something is determined
9 to be an animal carcinogen for regulatory purposes,
10 you don't have to wait until it's demonstrated to be
11 a human carcinogen in order to be able to regulate
12 that chemical. So as a matter of public policy,
13 animal testing is used for identifying the potential
14 harmful effects, including carcinogenicity of
15 chemicals.

16 Some of that information may be reliable,
17 some may not be reliable. It depends on the
18 mechanism of action. And we certainly know that
19 there are some chemicals that that information is
20 not reliable based upon molecular biology
21 information with regard to, for example, peroxisome
22 proliferation based upon differences in metabolism.
23 Many of the old fears with regard to some of those
24 chemicals, such as some chlorinated hydrocarbons,
25 are not consistent with present facts.

1 So animal testing has a role in
2 evaluating the potential harmful effects of
3 chemicals. It is used for public-policy purposes,
4 however, it cannot be used to establish the cause of
5 a human ailment or disease.

6 Q. Okay. And when animal studies are used
7 to show that animal's metabolism of a suspect
8 carcinogen, for example, is different than human
9 metabolism, I assume that you have to have pretty
10 good data about human metabolism of that substance
11 to be able to show that the animal metabolism is
12 different. Is that correct?

13 A. That would be correct, that you would
14 need human metabolism data if the metabolism is the
15 difference.

16 Q. Or whatever the difference was, you would
17 need comparable data in humans to be able to tell
18 that the animal was different.

19 A. Well, by comparable, you would need human
20 information to be able to determine whether or not,
21 for example, peroxisome proliferation or globulin
22 accumulation in the kidney, you would need human
23 information for that phenomenon or for the
24 metabolism to be able to distinguish between the
25 animal testing data and humans in order to be able

1 A. Well, I don't have an opinion about that.
2 All I did is I looked at the data that existed, and
3 based upon the data that existed I evaluated the
4 exposure, and that exposure doesn't result in an
5 increased risk.

6 Q. And if that data were for some reason not
7 representative of the exposure, then I take it that
8 your opinion might change?

9 A. It may. I would have to reevaluate
10 whatever that data was or how it wasn't
11 representative.

12 MS. CLEVELAND: Okay. That's all I have,
13 Dr. Harbison. Thank you.

14 MS. WEEKS: I have no questions.

15 THE WITNESS: I would like to read if I
16 could.

17 (Witness excused.)

18 (Whereupon, at 10:20 a.m. the taking of
19 the deposition was concluded.)

20

21

22

23

24

25

CERTIFICATE OF OATH


STATE OF FLORIDA

COUNTY OF ALACHUA

I, Pamela A. Chorlog, a Notary Public of
the State of Florida at Large, being duly
authorized by Statute to administer oaths (Ch. 29,
F.S., and Fla.R.Jud.Admin. 2.070), certify that the
witness, RAYMOND D. HARBISON, Ph.D., was first duly
sworn by me to testify the whole truth.

Witness my hand and seal at Gainesville,
Florida, this 24th day of May, 1994.

Pamela A. Chorlog
C.M., R.P.R., AND NOTARY PUBLIC
STATE OF FLORIDA AT LARGE
MY COMMISSION EXPIRES 2/16/94



CERTIFICATE WITH ACKNOWLEDGEMENT

I, Pamela A. Chorlog, C.M., Registered Professional Reporter, certify that I was authorized to and did stenographically report the foregoing deposition; and that the transcript is a true record of the testimony given by the witness.


I further certify that I am neither attorney or counsel for any of the parties, nor a relative or employee of any attorney or counsel connected herewith, nor financially interested in the event of this case.

I further certify that the original of this deposition was delivered to Ms. Cleveland and was true and correct at the time of delivery.

Pamela A. Chorlog
PAMELA A. CHORLOG, CM, RPR

STATE OF FLORIDA
COUNTY OF ALACHUA

The foregoing certificate was acknowledged before me this 24th day of May, 1994, by Pamela A. Chorlog who is personally known to me.



Bernice Oosterhoudt
BERNICE OOSTERHOUDT, Notary Public, State of Florida
My Commission Expires 2/1/97