

1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE NORTHERN DISTRICT OF OHIO EASTERN DIVISION
3
4

5 EDDIE A. FANNIN,
6 Plaintiff,

7 vs

No. 5:93-CV-2594

8 NORFOLK & WESTERN RAILWAY COMPANY,
9 Defendant.

10 Deposition Of

11 OTTO WONG

12 Tuesday, December 16, 1997
13
14
15
16
17
18

19 REPORTED BY: DONNA LEE BECKETT, CSR #3450
20
21

22 NOGARA REPORTING SERVICE

23 130 Battery Street, Suits 580

San Francisco, California 94111

24 (415) 398-1889
25
1

1 BE IT REMEMBERED that, pursuant to Notice
2 of Taking Deposition and on Tuesday, the 16th day of
3 December, 1997, commencing at the hour of 2:05 o'clock
4 p.m. thereof, at the Law Offices of Burns, White &
5 Hickton, 50 California Street, Suite 2310, San
6 Francisco, California, before me, DONNA LEE BECKETT, a
7 Certified Shorthand Reporter in the State of
8 California, personally appeared
9 OTTO WONG, Sc.D. F.A.C.E.,
10 herein, called as a witness by the Plaintiff, having
11 been by me first duly sworn, was examined and testified
12 as hereinafter set forth.

13

14 APPEARANCES OF COUNSEL

15 For the Plaintiff (Appearing telephonically)

HARTLEY & O'BRIEN

16 827 Main Street

Wheeling, West Virginia 26003

17 By: B. DEAN HARTLEY, Attorney at Law

18 For the Defendant

BURNS, WHITE & HICKTON

19 2400 Fifth Avenue Place

120 Fifth Avenue

20 Pittsburgh, Pennsylvania 15222-3001

By: QAVID A. DAMICO, Attorney at Law

21

22

23

24

25

2

1 EXAMINATION BY MR. HARTLEY

2 MR. HARTLEY: Q. Dr. Wong, as an epidemiologist,
3 do you attempt to determine whether causality can be
4 determined between Substance X and Disease Y?

5 A. That's true.

6 Q. Could you explain to me how you do that?

7 A. Basically we have what we call the. Hill
8 criteria for causation. We look at the data on that
9 relationship, exposure to Substance X and Disease Y in
10 terms of what we call the strength of association,
11 consistency of association, specificity of association,
12 dose response relationship and so on. Those are the
13 major criteria that we use in determining whether there
14 is an association between exposure and the disease.

15 Q. Well, let's talk for a second about
16 specificity. When you utilize the term specificity,
17 what do you mean?

18 A. Well, in terms of specificity, we are talking
19 about both components of the relationship. First we've
20 got to be specific in terms of exposure. And we've got
21 to be specific in terms of the disease outcome as well.
22 For example, if I make the statement, chemicals cause
23 cancer, that is not very specific, but certainly that
24 is true. But that's not very informative. We need to
25 specify what kind of chemical and we also need to

3

< < NOGARA REPORTING SERVICE

1 specify what kind of cancer.

2 Q. Does specificity require you, when you're
3 utilizing the Bradford Hill criteria, to exclude other
4 types of cancer and only center on one specific type?

5 A. No, it does not because a chemical may be able
6 to cause more than one type of cancer. But whatever
7 cancer we are talking about, we need to have specific
8 data on the relationship between that chemical and the
9 specific kind of cancer that we're talking about.

10 Q. Are you talking about specific data meaning
11 biological plausibility or something else?

12 A. I am talking about epidemiologic data.

13 Q. Would you agree with me that when you are
14 doing your epidemiologic studies that if you look at
15 particular types of cancers that are similar in nature
16 they can be lumped together to determine statistical
17 significance?

18 A. Well, when you say "similar," that's a big
19 word. To some you may be similar. To others it may
20 not be that similar. If I am reading your question
21 right, I don't think multiple myeloma is similar to
22 leukemia or Hodgkin's disease. That's what we're
23 talking about.

24 Q. If we look at the various studies you would
25 agree, would you not, that multiple myeloma is a

4

< < NOGARA REPORTING SERVICE

1 lymphatic disease?

2 A. It's one of the specific types of lymphocytic
3 disease, yes.

4 Q. And it is classified on ICD9 as 203, correct?

5 A. Yes, sir.

6 Q. And in your paper in 1989, which you did with
7 Dr. Raabe entitled -- R-a-a-b-a -- A Critical Review of
8 Cancer Epidemiology in Studies of Petroleum Industry
9 Employees with a Quantitative Meta-analysis by Cancer
10 Site, didn't you suggest that you could combine cancer
11 of other lymphatic tissues into one category for
12 statistical analysis?

13 A. In 1989 we looked at some old papers when we
14 do the review and the meta-analysis. At that time we
15 said that some of the groups overlap in the old studies
16 and some of them may be combined into different groups.
17 I did say that. But that doesn't mean -

18 Q. Well, if -

19 A. Can I finish?

20 Q. Yes, sir. I thought you were. I'm sorry.

21 A I guess that's the problem of not being able
22 to see face-to-face. I'm not quite done with the
23 answer.

24 But when you look at more recent studies
25 you've got to look at the biology of the disease, what
5

< < NOGARA REPORTING SERVICE

1 we understand at this point. Certainly, for multiple
2 myeloma it's very distinct. Now we do understand that
3 it's very distinct from leukemia and it's very distinct
4 from non-Hodgkin's lymphoma.

5 Q. Well, what you are saying is that it has
6 changed since 1989, in your opinion, whether you should
7 compact the various diseases into one category?

8 A. In 1989 I didn't say that. You have to look
9 at them as a combined group. I'm saying that it's open
10 to question. We should look at that as a total group.
11 We should also look at the specific groups as well.

12 But now the hematologists tell us that multiple myeloma
13 is a very distinct disease, very different from other
14 categories in the lymphopoietic cancer broad category.

15 Q. How long, in your opinion, has multiple
16 myeloma been considered distinct from lymphomas?

17 A. At least within the last, I would say, my
18 understanding is seven or eight years. Maybe longer
19 than that.

20 Q. And upon what do you base that opinion?

21 A. Based on -- well, I'm sure you have a copy of
22 my report. The report dated November 25th, 1997.

23 Q. November 28th, Doctor?

24 A. No, 25. I have two reports. The first one
25 was dated November 25th, 1997.

6

< < NOGARA REPORTING SERVICE > >'

1 Q. I don't have that report. Was that to

2 Mr. Donahoe?

3 A. Yes.

4 Q. I don't have that report. I never received

5 that report.

6 MR. DAMICO: You never received the November 25th,

7 '97?

8 MR. HARTLEY: No, sir, I did not. I've got

9 November 28th of '97.

10 Q. Does November 25th, 1997 discuss paints and

11 solvents and everything else?

12 A. The report dated November 25th, 1997 talks

13 about benzene exposure and multiple myeloma.

14 Q. I don't have that report.

15 A. Well, that's kind of unfortunate, because it's

16 going to be difficult for me to refer you to specific.

17 references and so on.

18 MR. DAMICO: We'll put it in the fax machine to

19 you right now. What's your fax number? Off the

20 record.

21 If discussion off the record.)

22 MR. HARTLEY: Q. Doctor, the last question to you

23 was; I believe, what do you base your opinion on that

24 the lymphoma should be considered separate and distinct

25 from multiple myeloma?

7

< < NOGARA REPORTING SERVICE

1 A. Right. And I was going to refer you to my
2 report which you would be receiving shortly. Anyway,
3 let me point out where I talk about that in my report.
4 That's on Page 3, the first full paragraph. And I do
5 have two references there. One is dated 1981. The
6 other one is dated 1985. Although those were published
7 in 1981 and 1985, I was not aware of those references
8 until much later. So as far as I'm concerned, I didn't
9 know of that until much later.

10 Q. Could you tell me the title of the 1981
11 article?

12 A. The 1981 is actually a textbook on hematology.
13 And the lead author of the textbook is Wintrobe.
14 W-i-n-t-r-o-b-e.

15 Q. And the other one?

16 A. And the other one is an article actually
17 written by someone at OSHA. Her name is Tsongas.
18 T-s-o-n-g-a-s. 1985.

19 Q. And what does Ms. Tsongas say in her report in
20 1985?

21 A 'Basically Dr. Tsongas said that we should -
22 when we analyze the data we should separate the disease
23 entity out.

24 Q. Did she give a reason for that?

25 A. Basically, she cited some, I guess, hematology

8

< < NOGARA REPORTING SERVICE

1 papers, some epidemiologic papers and so on, to support
2 that.

3 Q. Is Dr. Tsongas a pathologist?

4 A. I don't know what she is.

5 . Q. So you just relied on her paper of 1995 that
6 said that you should separate those out?

7 A. Well, those are the two samples that indicate
8 that non-Hodgkin's leukemia and multiple myeloma are
9 different diseases.

10 Q. And you think that she is a doctor?

11 A. I think she has a Ph.D.

12 Q. And Ph.D. in what, Dr. Wong?

13 A. I don't know.

14 Q. Other than the Wintrobe book and the Tsongas
15 report of '85, was there any other pathological
16 evaluation that you reviewed that suggested that you
17 should not classify the lymphopoietic malignancies for
18 statistical purposes when you're doing an analysis?

19 A. There are quite a few. Those are just two
20 analysis.

21 Q 'When you testified before OSHA on the benzene
22 standards did you have an opportunity to talk to Dr.
23 Tsoingas?

24 A. No.

25 Q. She was not in the office at that time, the

9

< < NOGARA REPORTING SERVICE

1 OSHA office?

2 A. I didn't go to OSHA and testify. I testified
3 in front of an administrative judge in a hotel in Los
4 Angeles.

5 Q. Were there any OSHA representatives there?

6 A. As far as I know I recognized one person, Dr.
7 Infante. I-n-f-a-n-t-e.

8 Q. And were you hired by OSHA to review and
9 testify during the hearings on the benzene standard?

10 A. I was a consultant to OSHA for the hearing,
11 yes.

12 Q. That means you were paid by them, right?

13 A. Not a whole lot of money. Yes, if you call
14 that pay.

15 Q. You got some remuneration?

16 A. Right.

17 Q. Have you reviewed your '86 testimony before
18 OSHA in the recent past?

19 A. Not really, but I remember roughly what I
20 said.

21 Q: "Isn't it true that in response to the comments
22 by Dr. MacMahon -- M-a-c, capital M, a-h-o-n -- wherein
23 he recommended that maybe it was not appropriate to
24 analyze the lymphomas and the leukemias together, you
25 then broke down non-Hodgkin's lymphoma and included

10

< < NOGARA REPORTING SERVICE

1 multiple myeloma with non-lymphoma in your report to
2 OSHA?

3 A. That's true. And that's because the software
4 package that we used for the analysis at that time, we
5 were not able to break it up into non-Hodgkin's
6 lymphoma and multiple myeloma. We were limited by the
7 software that we used at that time.

8 Q. How did the software prevent you from doing
9 that?

10 A. Because basically the software grouped part of
11 the non-Hodgkin's lymphoma and multiple myeloma as one
12 group. And that's the way how we calculated the SMR at
13 that time.

14 Q. In your testimony before OSHA, you never
15 indicated in response to Dr. MacMahon's remarks that
16 the computer program was the cause of the problem but
17 rather you said that the difficulty in separating
18 certain poietic cancers from each other is that a
19 certain amount of overlap appeared to occur. And
20 there's no comment about a computer program in that
21 testimony, is that correct?

22 A. I don't think I talked about the computer
23 program at that time. I think right now you're
24 focusing on multiple myeloma. But at the OSHA hearing
25 we were talking about different diseases within that
11

< < NOGARA REPORTING SERVICE

1 broad category in general. We were not focusing on
2 multiple myeloma alone. And I can tell you that for
3 some disease I still think it's appropriate to combine
4 certain ICD groupings together. In fact, for
5 non-Hodgkin's lymphoma we have to combine at least two
6 ICD codes in order to get multiple myeloma. So up to
7 today I still think that certain combinations is
8 appropriate, but not across the board.

9 Q. Would it be appropriate to combine
10 non-Hodgkin's lymphoma with multiple myeloma in your
11 analysis of a cohort?

12 A. As -- well, it depends on what the question
13 is. If the question is on multiple myeloma,
14 specifically on multiple myeloma, no, it would not be
15 appropriate to utilize the results combining multiple
16 myeloma and non-Hodgkin's lymphoma together.

17 Q. But you combined those in your testimony
18 before OSHA, didn't you? You combined ICD 8, 200, 202,
19 and 203, which is multiple myeloma.

20 A. That's right, because, as I said, the software
21 program would not allow us to do a separate analysis.

22 Q. Well, you also testified in 1986 in front of
23 OSHA that the medical literature is replete with
24 reports documenting the transition from certain
25 lymphomas and multiple myelomas to leukemia. And

12

< < NOGARA REPORTING SERVICE

1 leukemia phase has long been recognized in some
2 non-Hodgkin's lymphoma cases. And leukemic
3 transformation of lymphoid cells is considered a part
4 of the natural course of some non-Hodgkin's lymphoma
5 and lymphoma in general.

6 A. When you say testified to that effect, I think
7 it's slightly misleading. Because if you read. the
8 statement, or if you read my report, my publication
9 which appeared in 1987, basically I quoted a number of
10 people saying what you just said, okay, those -- at
11 least some thoughts in the community, in the scientific
12 community at that time. And being an epidemiologist, I
13 simply say that there are people who think this way.
14 Then they think that we should combine some certain
15 categories for analysis. But at the same time for
16 certain categories we should also separate them.

17 Q. Now, then, was your computer program able to
18 differentiate between leukemia and lymphoma?

19 A. Leukemia and lymphoma, yes.

20 Q. Why did you combine lymphoma with leukemia in
21 your statistical analysis in '86 before OSHA?

22 A. Well, if you look at any epidemiologic
23 studies, we have a hierarchy of causes of death. We
24 started with all causes of death. And then we break it
25 down into cancer deaths and non-cancer deaths. Among

13

< < NOGARA REPORTING SERVICE > >-

1 cancer deaths we break that down by different body
2 systems, respiratory system, gastrointestinal system
3 and so on. So I don't see anything that's different
4 from we combine colon cancer and stomach cancer in some
5 analysis, but at the same time separate that in some
6 other analysis.

7 Q. Didn't you do that in your publication of what
8 is commonly referred to as Wong 1 and Wong 2?

9 A. I don't know what's commonly referred to. I
10 published two papers in 1987. Part 1 and Part 2.

11 Q. Part 1 and Part 2.

12 A. I commonly refer to them as Wong 1 and Wong 2.

13 Q. You did that in those publications as well,
14 did you not, combine the lymphatic malignancies along
15 with the leukemias?

16 A. That's right. But, as I said, it's not any
17 different from the situation of cancer of the digestive
18 system. In the cancer of the digestive system we
19 combine stomach cancer and colon cancer together. But
20 that doesn't mean that the stomach is the same as
21 colon.

22 Q. Well, even after Dr. MacMahon made the
23 recommendations that you split it out, you in your
24 testimony to OSHA justified the combination and then
25 published articles combining the various categories of
14

< < NOGARA REPORTING SERVICE > >

1 disease that came from the cohort, didn't you?

2 A. That's not correct at all. In 1987, very
3 specifically I have published results specific to
4 leukemia by itself.

5 Q. Did you combine the lymphatic leukemias with
6 lymphomas in your analysis?

7 A. In some analysis I did, but not in all. 1
8 present both sides at that time.

9 Q. And in '87 you found a statistically
10 significant increase in the cohort you were studying
11 for lymphatic leukemias, did you not?

12 A. Yes, I did. And I said that the increase was
13 driven by the increase in leukemia.

14 Q. And what cell type of leukemia did you find in
15 the cohort that you were reporting on in 1987?

16 A. In the 1987 paper I point out that I do not
17 have enough cases to do any cell type specific
18 analysis.

19 Q. What does your paper report as the cell type
20 you found?

21 A: I find all kind of cell types.

22 Q. Well, let's look at them. Do you have your
23 paper?

24 A. Yes, I do.

25 Q. In which one do we want to look at them, dose
15

< < NOGARA REPORTING SERVICE > >

1 response analysis or general results?
2 A. Hold on for just a second. I think we want to
3 look at the second paper and Table 16.
4 Q. What paper is that, 1 or 2, Doctor? I'm
5 sorry. I didn't hear you.
6 A. 2.
7 Q. Table 16?
8 A. Right.
9 Q. What is the title of Table 16?
10 A. Characteristics of 22 Deaths from Lymphatic
11 and Hemopoietic Cancer.
12 Q. What cell types did you find in this cohort?
13 A. I have CML. I have CLL. I have some
14 non-specified leukemia. So we don't know exactly what
15 cell type we're talking about. I think we also have
16 ALL.
17 Q. Were there any AMLs?
18 A. Not specifically, but we do have non-specified
19 acute leukemia, I think. So that could have been AML.
20 Q. How many of those did you have non-specific
21 acute leukemias?
22 A. I don't have the ICD code in front of me.
23 b. I do. What number do you think it would be?
24 What is AML?
25 A. AML?
16
< < NOGARA REPORTING SERVICE

1 Q. Yes.
2 A. I think AML is 205.0.
3 Q. Acute is 205.0. How many 205.0s do you
4 report?
5 A. As I told you, we don't have any.
6 Q. And how many non-specific acutes do you have?
7 Do you need that number as well?
8 A. Yes. I think that's 207.0, is that right?
9 Q. Leukemia of unspecified type, 208.
10 A. 208?
11 Q. Yes.
12 A. What about 207?
13 Q. 207 is acute arrhythmia and aleukro leukemia.
14 That's 207.
15 A. Yes. What revision are you looking at?
16 Q. I'm looking at the ninth.
17 A. The ninth. The one that I used, I think, is
18 the eighth in the study. So there may be a slight
19 difference there. I think 207.0, Case 12, Case No. 12.
20 Q. Was the non-specific acute?
21 A: Yes, the non-specific acute.
22 Q. How many multiple myeloma did you find?
23 A. I found three.
24 Q. Did you find an elevation of multiple myeloma
25 within the cohort?
17
< < NOGARA REPORTING SERVICE > >-

1 A. Based on my calculation I should expect 2
2 point -- it's in the report. You have a copy of the
3 report now?

4 Q. Not yet. I'm looking at Page 393 of the
5 second part of your 1987 paper.

6 A. As I said, in that paper I did not report the
7 expected because the calculation wasn't done at that
8 time based on that program. And also, the rates of
9 multiple myeloma was not widely available in the '80s.
10 But subsequently I have done a calculation on how many
11 multiple myelomas I should expect. And for that study
12 I should expect -- let me find a number here. I should
13 expect 2.58. Okay. Roughly two and a half cases of
14 multiple myeloma. That's on Page 3 of my report. When
15 you get it you can take a look.

16 Q. What did you base your expected rate on?

17 A. The expected rate was based on the rates
18 published by the National Cancer Institute.

19 Q. Is that the U.S. general population?

20 A. Yes, sir.

21 Q: The rate that you just gave me, what was it?

22 A. No, that was not the rate. That was the
23 expected.

24 Q. What was your expected?

25 A. To 2.58.

18

< < NOGARA REPORTING SERVICE > >

1 Q. In your paper on Page 393, which is Wong 2,
2 you indicated that you're going to utilize 0.56 as your
3 expected.

4 A. Where are you?

5 Q. Under your chart you go eight lines down on
6 the left-hand column.

7 A. Okay. The difference is when I said. 2.58,
8 that was for the entire cohort, for the entire study.
9 The .56 refers to a much smaller group, what we call
10 the intermittent exposure.

11 Q. I understand that.

12 A. So that's the difference.

13 Q. So the expected for the intermittent exposure
14 group was still 0.56, correct?

15 A. It should be.

16 Q. And that means then that the SMR for the
17 intermittent multiple myeloma group was still 357.1,
18 correct?

19 A. Yes.

20 Q. And was that statistically significant?

21 A: 'No.

22 Q. What was the confidence interval? 46.2 to
23 1298?

24 A. Right. So that interval includes the norm of
25 100 percent. So, therefore, it's not statistically
19

< < NOGARA REPORTING SERVICE > >

1 significant.

2 Q. I understand that it's not statistically
3 significant, but it shows at least an elevated risk,
4 which could be by chance. But it does show an elevated
5 risk, correct?

6 A. It's based on two cases, remember.

7 Q. I thought you had three.

8 A. I think you're confusing the two analyses.

9 Q. There were not three multiple myelomas in the
10 intermittent(exposure group?

11 A. No, there were two in the intermittent
12 exposure group. For the entire study we had three. So
13 when you use the three as the observed, you have to use
14 the expected of 2.58.

15 Q. I understand what you did.

16 A. Do you understand what I'm saying?

17 Q. Yes. Why didn't you use internal controls for
18 your expected for the intermittent exposed multiple
19 myeloma cases?

20 A. Because that group is far too small to be
21 stable enough for internal comparison. I said very
22 clearly in my OSHA testimony as well as in my papers.
23 We started -- when we designed the study we have a much
24 larger internal control group planned, but we end up
25 with a very, very small group. And that is just not

20

1 stable enough at all.

2 Q. Could you use the overall industry as an
3 expected or as a group from which to derive your
4 expected multiple myeloma cases?

5 A. When you say "overall industry," what are you
6 referring to?

7 Q. The chemical industry?

8 A. There is no such data. You can't just go to
9 one place and say, okay. Here are the rates of
10 multiple myeloma or here are the rates for leukemia for
11 chemical workers. There's no such thing. No such data
12 base.

13 Q. Explain to me why you didn't use your own
14 industry controls. You had 6- or 7,000 people, didn't
15 you, in this study?

16 A. Yes.

17 Q. What was the amount of your control group?

18 How many were in your control group?

19 A. Out of the 7,000 or so I think 3,000 or so
20 were not exposed.

21 Q:-'So you couldn't use the 3,000 people to
22 determine your expected for multiple myeloma for
23 intermittently exposed?

24 A. No, it's too small. Multiple myeloma is very
25 rare. For comparison to be meaningful you have to have
21

< < NOGARA REPORTING SERVICE > >.

1 a large group that when you use the age specific rates
2 you should have at least a few deaths in each age
3 category.

4 Q. Well, you didn't have any leukemia deaths, did
5 you, in your controls?

6 A. No.

7 Q. So wouldn't that same analysis apply to your
8 leukemia calculations?

9 A. I think you just misspoke. Can you repeat the
10 question?

11 Q. Sure. If you assert that your control group
12 was too small to determine what the expected would be
13 for multiple myeloma, why wouldn't that also apply to
14 your leukemia expected rates since you found zero
15 expected in that group?

16 A. I said the same thing for leukemia, that the
17 rates, based on internal comparison, was not stable.

18 Q. You didn't say that to OSHA. You told OSHA in
19 response to Dr. MacMahon that you felt it was better to
20 use internal controls from this group then to go out
21 and use the general population?

22 A. No.

23 Q. Because -- let me finish -- because there was,
24 according to you, deficits reported by various
25 investigators of leukemia in various industrial

22

< < NOGARA REPORTING SERVICE > >

1 settings.

2 A. 1 said that. But I also said that using
3 internal control would have certain advantage, okay,
4 and that's what you just said. But at the same time I
5 also said that at the hearing, as well as in my papers,
6 that using internal comparison, especially when the
7 disease is rare and the comparison group is small, then
8 the rates would be very unstable. And if we don't see
9 any deaths in a comparison group, then the comparison
10 would not be appropriate.

11 What we are saying is if someone is not
12 exposed to benzene there would be no leukemia at all,
13 which is, of course, not true.

14 Q. But you still utilized that data. You had no
15 leukemias in your control group.

16 A. I utilized that data because in our contract
17 to the Chemical Manufacturers Association, we specified
18 that we have an internal control group. So, therefore,
19 I analyzed the data both ways. But I spelled out both
20 the advantages and disadvantages of using internal
21 comparison.

22 Q. If you felt that the internal comparisons were
23 ineffective, for lack of a better term, for leukemia,
24 why did you. publish your data?

25 A. As I said, it has both advantages and

23

< < NOGARA REPORTING SERVICE

1 disadvantages. And we also have in our contract with
2 CMA that we would use an internal group as well as an
3 external group. And, therefore, I published both. And
4 I spell out the advantages and disadvantages of both
5 methods. I think it's very clear.

6 Q. I don't think it is, to be honest with you.

7 A. What is not clear?

8 Q. Because you testified to OSHA there's no
9 obvious reason to suspect that the comparison group was
10 any different from the exposed group. So why not use
11 internal controls for multiple myeloma as compared to
12 the general population?

13 A. Because we did not see any leukemia in the
14 comparison group, the internal comparison group. That
15 means zero. So anything compared to zero is an
16 elevation, is an excess, okay? That doesn't make
17 sense. Because what we are saying is in a general
18 population we should not expect any leukemia at all.
19 That's not true.

20 Q. That would be the same for multiple myeloma,
21 right? 'Would you not expect any multiple myeloma in
22 the general population? I don't understand the
23 distinction that you make.

24 It was permissible in your mind or acceptable
25 in your mind to utilize internal controls with no

24

< < NOGARA REPORTING SERVICE >

1 leukemias for your comparison with leukemias, but it
2 was not acceptable to use internal controls for
3 multiple myeloma because it was a smaller group.
4 A. Okay. I think you misunderstood what I did in
5 the paper. In my paper, back in 1987, the focus was on
6 leukemia. Okay. There was some interest on multiple
7 myeloma, but not the main focus. The main focus was
8 leukemia, okay? And for leukemia, I analyzed the data
9 using both the internal comparison as well as the
10 external comparison.
11 And the reason I used the internal comparison
12 was, when we design a study we were hoping that we have
13 a much larger group. And hopefully, that would give us
14 some stable rate for us to do some comparison
15 internally. Because internal comparison has certain
16 advantages. But it turns out, by the time we finished
17 the study, the comparison group was very small. And we
18 end up with no leukemia death in the internal
19 comparison group at all.
20 And I point out both advantages as well as
21 disadvantages of using internal comparison group. But
22 since I specified that analysis in my contract, in my
23 proposal to the sponsor, I went ahead and do that.
24 Besides, I also think it's a very interesting question,
25 whether to use internal comparison or not. When and
25
< < NOGARA REPORTING SERVICE > >.

1 how to use internal comparison. And this case
2 demonstrates -- my study demonstrates that
3 theoretically internal comparison groups are good. But
4 in reality it's very difficult to get a group large
5 enough to provide you with stable rates. And that's
6 the reason for doing that.

7 Q. Where in Part 1 or Part 2 of your 1987
8 publications did you explain that you were going to use
9 both internal controls and U.S. general population and
10 the explanation for why? Could you find that passage
11 for me?

12 A. Yes. Hold on for one second. Okay. On the
13 page in the first paper, on Page 378 -

14 Q. 368 or 378?

15 A. I said 378.

16 Q. The 1987 publication? .

17 A. Yes. Part 1.

18 Q. General results?

19 A. Yes.

20 Q. Okay. I don't have that page. But go ahead.

21 Let me see if it's in backwards or something. Yes, I
22 have it. I'm ready. It was in the wrong place.

23 A. On the right-hand side column the paragraph
24 that starts with "The SMR procedure." Do you find
25 that?

26

< < NOGARA REPORTING SERVICE > >.

1 Q. Yes. "The SMR procedure is usually
2 satisfactory"?

3 A. Yes. There I talk about the use of both
4 internal and external comparison groups and also talk
5 about the advantages and disadvantages of both.

6 Q. Did CMA suggest to you not to use internal
7 controls?

8 A. No, the proposal was prepared by us and we
9 basically said we would use both internal and external.

10 Q. Did you have any disagreements with CMA before
11 you published your findings in '87 about publishing the
12 findings on internal controls as opposed to utilizing
13 the general population as your control group?

14 A. Well, when you say "CMA," it's kind of like
15 CMA is one entity. Okay. But actually, it's not. We
16 have a committee from the industry that I contact in
17 terms of the study. And, of course, some people agree
18 with the way I analyze the data and some people may not
19 agree.

20 Q. And what particular individuals did not agree
21 with your use of internal controls?

22 A. I don't even remember the names of the
23 individuals. But, you know, that's what I have done.
24 And that's how I would like to publish. Nobody would
25 stop me on that.

27

< < NOGARA REPORTING SERVICE >

1 Q. Why couldn't you have used your entire group
2 as opposed to just intermittently exposed? Why
3 couldn't you have used all of your exposed for your
4 estimated for the multiple myeloma as opposed to the
5 general population?

6 A. That doesn't make sense to me, your question.

7 Q. What did you base your controls on for the
8 intermittent exposure group for multiple myeloma?

9 Maybe my question is poor. I assume that you -- did
10 you use your entire controls? You had 3,000 controls,
11 didn't you?

12 A. Yes.

13 Q. Did you utilize that or attempt to utilize
14 that to calculate your expected for multiple myeloma?

15 A. No, I used the U.S. general population.

16 Q. And the reason that you say you couldn't use
17 all of your control group was because it was too small?

18 A. It's too small, right.

19 Q. How many people were in the intermittent
20 exposed group?

21 A. Over a thousand, I think.

22 Q. And you could not have used 3,000 controls as
23 a comparison for your 1,000 intermittent control group?

24 A. I think you're getting confused. In
25 epidemiology, usually we have two groups. One is what
26
27
28

< < NOGARA REPORTING SERVICE > >.

1 we call the exposed group. That's the group where
2 we're interested in finding out if there is an
3 increased risk in certain diseases. The way to find
4 that out is to compare the rate of disease or mortality
5 in the exposed group to rates of the same disease in a
6 comparison group. Okay? Do you follow me so far?

7 Q. Yes, I do.

8 A. So I think you're mixing up the intermittent
9 group and the comparison group. Because the
10 intermittent-group is one of the exposed groups. In my
11 study I have two groups of people exposed to benzene.
12 One is what we call continuous exposed group. The
13 other one is intermittent exposed group. So those are
14 the two groups combined we call the exposed group.
15 And then I have the third group called the
16 comparison group. That group would not be exposed to
17 benzene indirectly, but may be exposed to only a low
18 level background. And I call that the comparison
19 group. That is our internal comparison group. But in
20 my study I also have the external comparison group,
21 which is the U.S. general population.

22 Q. Why couldn't you calculate then your expected
23 for your entire exposed population the three multiple
24 myelomas versus the control group?

25 A. Because the control group is too small.

29

< < NOGARA REPORTING SERVICE > >.

1 Q. Can you explain to me, Dr. Wong, how your '87

2 study failed to find any AMLs?

3 A. Well, there are number of reasons. No. 1, in

4 that study we have only seven leukemia deaths. So it's

5 not that many. There were a couple of them

6 unspecified. So it could have been AML. And we don't

7 know for sure. The other thing is the exposure may not

8 be high enough to really give us more than one or two

9 cases of benzene related AML.

10 In another report, which I published in 1995

11 using the NIOSH data, I find that in order to have an

12 increased risk of AML you have to have at least 200 PPM

13 a year, if not higher. That is pretty high exposure.

14 We just don't see that in my study.

15 Q. I understand what you published in '95. But

16 aren't AMLs the majority of leukemias in the adult

17 population?

18 A. The majority, did you say?

19 Q. Yes, sir. They compose the majority of

20 leukemias in the adult population?

21 A. It's not the majority. When you say the

22 majority, you mean more than 50 percent, right? I

23 don't think it's more than 50 percent.

24 Q. Aren't they the largest group of leukemias

25 found in adults, AMLs?

30

< < NOGARA REPORTING SERVICE

1 A. The largest type, right, yes.

2 Q. And would you agree with me that your study of
3 the various chemical plants found a statistically
4 significant relationship between other cell types of
5 leukemia and benzene exposure in '87?

6 A. There seems to be some indication, but the
7 increase was not comparing to the general population.
8 The increase was not statistically significant.

9 Q. What about comparing to your internal
10 controls?

11 A. Well, comparing to the internal controls since
12 we have zero, anything is significant compared to the
13 internal group.

14 Q. What was your SMR when you compared it to the
15 internal group?

16 A. We shouldn't use the word "SMR" if we use the
17 internal group.

18 Q. It should be the RR?

19 A. Yes, relative risk.

20 MR. DAMICO: What disease process are you talking
21 about?

22 MR. HARTLEY: Leukemia.

23 THE WITNESS: Hold on for one second. Well, for
24 leukemia it's infinite because you divide something by
25 zero it's infinite. It's not well defined.

31

< < NOGARA REPORTING SERVICE

1 MR. HARTLEY: Q. Did you find a dose response
2 relationship for leukemia in your study of the chemical
3 plants?

4 A. For leukemia, let me see. Yes. If you look
5 at Table 14 on Page 391.

6 Q. Of Wong 2?

7 A. Of 2, yes.

8 Q. Table 14, Wong 2. 39 -- all right.

9 A. You will see that under the column of relative
10 risk, it's undefined. Because again we divide
11 something by zero. But we were able to calculate a
12 chi-square, meaning that -- well, the chi-square is a
13 statistic that we used to determine to see if there is
14 a dose response relationship or not. And that turns
15 out to be not significant because the P value -- do you
16 see that?

17 Q. Yes.

18 A. The P value was 0.088. In order for it to be
19 significant it has to be less than 0.05.

20 Q. I must be missing something. On Table 14,
21 Page 3'91, entitled "Mantel-Haenzel Relative Risk and
22 Extension Chi-square for Lymplates and Hematopoietic
23 Cancer, Leukemia, non-Hodgkin's lymphoma, non-Hodgkin's
24 Lymphopoietic Cancer by Maximum Peak Occupational
25 Exposure to Benzene Adjusted for Age and Race."

32

< < NOGARA REPORTING SERVICE >

1 That's the table you're talking about?
2 A. Yes.
3 Q. And the leukemia undefined chi-square is 6.46,
4 right?
5 A. Are you looking at Table 14?
6 Q. That's what's published in the literature, 14,
7 yes.
8 A. The chi-square is .91.
9 Q. No, different document then, Doctor. I have
10 Page 391 of Wong 2.
11 A. That's interesting. Hold on for one second.
12 I know what the problem is. Table 14, the one you
13 looked at, must be a copy of the journal paper when
14 it's published. For some reason they duplicate Table
15 12. Go back to the previous page and look at Table 12.
16 Q. Yes. Table 12 is the same as Table 14.
17 A. Right. The one I have is a reprint which they
18 corrected Table 14. I'm sorry for the inconvenience.
19 But your Table 14 is actually the same as Table 12.
20 Q. What does Table 12 tell me?
21 A: 'Table 12 tells me that the chi-square is 2.91.
22 It's not quite significant. Looking at maximum P
23 exposure. And you are correct that when we look at
24 cumulative exposure it is significant. And that's
25 going to Table 12.
33
< < NOGARA REPORTING SERVICE > >.

1 Q. So when we look at cumulative exposure in
2 Table 12 there is a significance to the P .01 level
3 value?

4 A. Right. That is based on internal comparison.

5 And as you can see next to non-exposed, under the
6 column of observed deaths is zero. Do you see that?

7 Q. Yes, I do.

8 A. So that means we did not see any leukemia in
9 the small group of non-exposed people.

10 Q. Did you see it for non-Hodgkin's lymphopoietic
11 cancer?

12 A. Non-Hodgkin's lymphopoietic cancer, we have
13 two cases.

14 Q. And what was the chi-square for that, 364?

15 A. 364, which was not quite significant.

16 Q. It would be that there would be a 94 percent
17 chance that it would be -- within a six percent chance
18 that it would be within chance?

19 A. Right.

20 Q. Six out of a hundred it could be by chance?

21 A. Yes.

22 Q. So it's one-tenth of a point off in being
23 significant?

24 A. Yes. In the paper I also add that even for
25 that non significant trend, upward trend, that was

34

< < NOGARA REPORTING SERVICE

1 driven by the trend in the leukemia. Because leukemia,
2 if you see the ICD code after leukemia is 204 to 207.

3 Q. Yes, I do.

4 A. And that is part of the non-Hodgkin's lymphoma
5. cancer as well. Basically, I have included all the
6 lymphopoietic cancer in that group, minus 201. 201
7 being Hodgkin's disease.

8 A. Now, what was the cumulative exposure in parts
9 per million? Do you see that, 180 to 719 cumulative
10 exposure?

11 A. Yes.

12 Q. That would be under ICD 8, 200, 202 and 203,
13 which would include multiple myeloma, correct?

14 A. That would include -- let's see.

15 Q. 203 is multiple myeloma, correct?

16 A. 200 is lymphosarcoma which is part of the
17 non-Hodgkin's lymphoma. 202 is, again, non-Hodgkin's
18 lymphoma. And 203 is multiple myeloma. So it's a
19 combination of both non-Hodgkin's lymphoma, as well as
20 multiple myeloma.

21 Q. So you combined them for statistical purposes
22 in this analysis based on cumulative exposure, correct?

23 A. Yes, because I could not separate 202 from 203
24 in the analysis.

25 Q. What tables, Doctor, have your breakdowns of
35

< < NOGARA REPORTING SERVICE > >.

1 diseases?

2 A. Well -

3 Q. Is that Page 358 or 368? Or where did you

4 identify the cell types?

5 A. The cell types?

6 Q. Yes, sir.

7 A. That would be the last table, Table 16 on Page

8 393.

9 Q. For the cumulative exposure classed, group of

10 200, 202 and 203 of 180 to 719 parts per million months

11 you had a relative risk of 2.23, correct?

12 A. What table are you looking at?

13 Q. I'm back on No. 12.

14 A. Okay. Can you repeat the question?

15 Q. Sure. For the class categories of 200, 202

16 and 203 with 180 to 719 parts per million months

17 cumulative exposure you had a relative risk of 2.23?

18 A. Yes, sir.

19 Q. And you also had a chi-square for that trend

20 of 14, correct?

21 A. 14 applies to the trend. The trend meaning

22 that comparing all four categories of exposure,

23 non=exposed, less than 180, 180 to 719 and 220 and

24 over. That 0.14 applies to all four.

25 Q. All four groups?

36

< < NOGARA REPORTING SERVICE > >.

1 A. Right. That's what we mean by trend,
2 including all four categories. And that clearly says
3 it's not significant at all.

4 Q. It was at .71. Where did you break out -
5 what table do I need to look at for your SMR for 200,
6 202 and 203? Not the trend of exposure, but for the
7 cause of death.

8 A. For the overall exposed group you may want to
9 go to the first paper, Table 9.

10 Q. What page is that on?

11 A. On Page 374. If you look at the table,
12 probably in the middle of the table, you will see the
13 lymphatic and hemopoietic cancers.

14 Q. 200, 209?

15 A. Right. And underneath that the standard
16 grouping are the following, the following four groups,
17 lymphosarcoma, which is ICD Code 200. Do you see that?

18 Q. Yes.

19 A. And the next one is Hodgkin's disease, 201.

20 And then the third group is leukemia from 204 to 207.

21 Do you see that?

22 Q. Yes, sir.

23 A. And the last group is the residual, which is
24 other lymphatic tissue cancer which would include ICD
25 Code 202. By the way, that is a typo there. It should

37

< < NOGARA REPORTING SERVICE > >.

1 be 202 and not 22. And then 203. And then 208 is
2 really a very, very small group. For all practical
3 purposes the fourth category, other lymphatic tissue
4 cancer I would say would be 95 percent, would be either
5 202 or 203. And, as we said, 202 is non-Hodgkin's
6 lymphoma. And 203 is multiple myeloma.
7 In the old terminology they break
8 non-Hodgkin's lymphoma into two groups, lymphosarcoma
9 being ICD Code 200. And then what they called "other
10 lymphomas, which would be 202. And that's why I said
11 even back in the '80s I said certain groups we should
12 combine. And this is a very good example where we
13 should combine. Because in looking at 200 or 202
14 separately you don't get a good picture. You should
15 combine 200 and 202 in order to get a full picture of
16 non-Hodgkin's lymphoma. By the same time, if you want
17 to look at multiple myeloma, you've got to look at 203.
18 But in the statistical tabulation back then is
19 combined, 203 is combined with 202 in this group. So
20 what I'm trying to say is that that's why in my
21 analysis I was able to combine 200, 202 and 203
22 together, adding the first and the fourth category
23 together.
24 Q. Out of 202, 203 and 208, what percentage is
25 203, in your opinion?

38

< < NOGARA REPORTING SERVICE > >.

1 A. Forgetting about 208, I think 202 and 203 may
2 be roughly about the same, percentage wise.

3 Q. 50-50?

4 A. Roughly, yes. Well, it depends on age group
5 and so on. But roughly I would say about 50 and 50.

6 Q. What about in a younger population?

7 A. I really should look at the statistic before I
8 answer the question.

9 Q. Isn't multiple myeloma generally considered a
10 disease of older age?

11 A. Yes, older age. People in the older age group
12 would have much higher rates.

13 Q. Statistically it occurs in the sixth decade of
14 life?

15 A. Start ticking off in the 50s.

16 Q. That would be the sixth. decade of life. Zero
17 to 9 would be the first decade. So if you had a
18 population that was under 50, what would the percentage
19 breakdown be, in your opinion, between 202 and 203?

20 A. I don't know. I'd have to look at it.

21 Q. Doctor, you've done some studies on gasoline?

22 A. Yes.

23 Q. And did you present at the Gasoline Symposium
24 in Canada?

25 A. I did not present any paper in Canada on

39

< < NOGARA REPORTING SERVICE >

1 gasoline.

2 Q. Were you present during the presentations?

3 A. Did you say Canada?

4 , Q. Yes, sir.

5 A. No, I did not.

6 Q. Were you present?

7 A. In Canada?

8 Q. In Miami.

9 A. I just found my note. It's in Miami. Miami

10 is not in Canada, for your information.

11 Q. Miami may be Canada if you live pretty south.

12 But anyway.

13 A. I think what you're referring to is 1990.

14 Early 1990s there was a symposium on gasoline. Yes, I

15 did present some results of my gasoline study at that

16 meeting, yes.

17 Q. Was there also a presentation about the

18 development of multiple myeloma from gasoline at that

19 symposium?

20 A. By whom?

21 Q I'm sorry?

22 A. By whom? By me?

23 Q. I can't remember what the guy's name was. I

24 didn't bring my environmental health perspective with

25 me.

40

< < NOGARA REPORTING SERVICE > >

1 A. You have to tell me the name because I didn't
2 go to everybody's presentation, especially when the
3 meeting was held in Miami.

4 Q. It's warm down there. How about a guy named
5 Snadder?

6 A. Ralph Snadder from Exxon?

7 Q. Yes. Did he present some study on gasoline
8 and multiple myeloma?

9 A. He present -- well, okay. I think why you get
10 confused with Canada. He present the results of a
11 Canadian study that he did back then. He present some
12 preliminary results. And to be quite honest with you,
13 I don't remember -- I do not remember exactly what he
14 said at the meeting.

15 Q. Did you present at Benzene '95?

16 A. Oh, yes. We have -- actually, we have two
17 presentations at Benzene '95. You are referring to the
18 conference at the Rutgers University, right?

19 Q. Yes. Did you speak? Because I was there and
20 I don't remember you speaking.

21 A. -As I said, we have two presentations. One
22 presentation was on cell type specific leukemia in the
23 - petroleum industry. My co-author actually made the
24 oral presentation. His name is Jerry Raabe. And at
25 the same time I also have a poster presentation on

41

< < NOGARA REPORTING SERVICE >

1 multiple myeloma.

2 Q. Did you do that with Dr. Lamm or did you have
3 your own poster?

4 A. I did not do any work with Dr. Lamm.

5 Q. Doctor, you presented in Miami on gasoline
6 workers?

7 A. I present some preliminary analysis, yes.

8 Q. And what was the topic of your presentation?
9 What were you looking for?

10 A. Well, I was looking for -- basically we
11 present mortality analysis by different causes of
12 death. Of course, the interest was leukemia. One of
13 the main interest was leukemia, because in gasoline we
14 have 2 to 3 percent of benzene. So the focus was on
15 leukemia. But at the same time kidney cancer was
16 another interest because of some animal studies.

17 Q. What population did you study, terminal
18 workers or distribution workers or what?

19 A. I think they mean the same thing, terminal
20 workers and distribution workers.

21 Q. As that who you studied?

22 A. Yes. I have two groups of people in the
23 gasoline study. One is what we call land based
24 terminal workers. The other one would be what we call
25 marine workers. These are the people who are

42

< < NOGARA REPORTING SERVICE > >.

1 responsible for the transportation of gasoline and
2 other petroleum products on barges or on ocean tankers.

3 Q. Were there criticisms of your control group
4 for having a small SMR for all causes in your
5 distribution workers?

6 A. In the land base group we found a low SMR for
7 all causes when we compared to the general population.

8 Q. What about your control group, did your
9 control group have low SMR also for all causes?

10 A. That was our control group. The control group
11 was the general population.

12 Q. The control group was from the United States?

13 A. Yes.

14 Q. Were there criticisms for utilizing that as a
15 control group by Dr. Enterline?

16 A. I think Dr. Enterline raised a question.

17 Actually, Dr. Enterline was one of the reviewers for
18 our study all along. So he was looking at the same set
19 of data over my shoulder for three, four years while we
20 were doing the study. And he raised the question, you
21 know. why we see such a deficit in our study. And we
22 tried to explain that.

23 One of the reasons may be the people who work
24 with gasoline may smoke less. Maybe that's one of the
25 reasons. In fact, there was another person who was an

43

< < NOGARA REPORTING SERVICE > >

1 outside reviewer for the conference. I think that was
2 Dr. McLaughlin at the National Cancer Institute at that
3 time. And he gave us some very interesting insight.

4 He said that the general population, sometimes
5 you have people who have very high rate of heart
6 disease, as well as high rate of non-malignant
7 respiratory diseases and so on. And maybe that
8 accounts for the deficit we saw in our study.

9 But regardless of what the explanation is we
10 also have the second group. The second group is the
11 marine distribution workers. And certainly for that
12 group we don't have that problem. We don't see an
13 unusually low SMR.

14 Q. What did Dr. Infante think of your control
15 group?

16 A. The general population?,

17 Q. Yes.

18 A. I don't know what he thinks of that.

19 Q. Did he explain that to you when you were doing
20 the study, what his thoughts on your control group
21 were?

22 A. He was not a reviewer of our study. I did not
23 ask him for consultation or advice.

24 Q. How about at the meeting on the gasoline
25 symposium, did he comment on that meeting on the

44

< < NOGARA REPORTING SERVICE > >.

1 control group?

2 A. He commented on a whole bunch of papers. And

3 I don't remember exactly what he said on our paper.

4 Q. Now, we got into all this because of

5 specificity and Bradford Hill. Would you agree that

6 specificity must not be overemphasized in determining

7 the causation of a particular substance to a particular

8 disease?

9 A. I don't quite understand exactly what you mean

10 by "cannot be overemphasized."

11 Q. Bradford Hill says in his 1965 article that

12 established the criteria, "We must not, however,

13 overemphasize the importance of the characteristic."

14 That being specificity. Do you agree that some

15 epidemiologists overemphasize the role of specificity

16 in determining a causal relationship?

17 A. I don't think so. I think when Bradford Hill

18 used the term "specificity" in that content he meant

19 that a chemical may be able to cause more than one

20 disease. We cannot say that simply because Chemical X

21 cause& Disease Y that would imply that Chemical X does

22 not or is not capable of causing another disease. I

23 think that's what he meant. Okay?

24 And I totally agree with that. Certainly

25 smoking can cause more than lung cancer. It can cause

45

< < NOGARA REPORTING SERVICE >

1 other disease as well. But the evidence has to come
2 from specific data, specifically related to death
3 disease. Okay? If you show me that benzene can cause
4 multiple myeloma specifically, you have data on that,
5 then I will buy it.

6 Q. That also goes right to Bradford Hill's other
7 point. And that is that you should not be totally
8 wedded to statistical significance. If there's an
9 elevated risk that is not statistically significant on
10 a recurring basis then there should be some
11 consideration of an association and causality. Would
12 you agree with that?

13 A. Absolutely. And that's why I've been doing
14 this meta-analysis. And that is when you have a small
15 study there may be an elevation. But the excess or the
16 elevation may not be statistically significant. And
17 that can be due to two things. One is the sample size
18 is not enough, not big enough to pick up that
19 difference. Or it can be that indeed there is no
20 relationship.

21 And I totally agree with Bradford Hill that if
22 you do see an elevation from study to study, then there
23 is something. Now, meta-analysis would be able to
24 handle that because if you see some consistent
25 elevation when you combine all the studies into the

46

< < NOGARA REPORTING SERVICE > >.

1 meta-analysis, you will certainly see a statistically
2 significant increase because you increase the sample
3 size by doing a meta-analysis.

4 Q. Do you also agree with Bradford Hill's
5 statement that, "No formal test of significance can
6 answer those questions of causation. Such tests can
7 and should remind us of the effects that the play of
8 chance can create and they will instruct us in the
9 lengthy magnitude of those effects. Beyond that they
10 contribute nothing to the proof of our hypothesis." Do
11 you agree with that statement?

12 A. I agree that when you do a statistical test by
13 and in itself is not the whole thing. You've got to
14 look at the whole picture. And that's the reason why
15 in 19- --I believe 1965, Bradford Hill formalized the
16 criteria. So you should not just look at one
17 statistical study. You really have to look at what
18 kind of chemicals are we talking about: how specific
19 exposure was in the study; how long the observation
20 period is, and how many studies reporting an increase
21 or a lalc of an increase. You have to take all that
22 into consideration.

23. a. Which goes back to the idea that if you have
24 elevated non-statistical risk for a substance on a
25 recurring basis and you have biological plausibility as
47

< < NOGARA REPORTING SERVICE >

1 well as a temporal relationship and you have strength
2 from a few studies, or a great deal of studies, that
3 you can make a determination even though there is no
4 statistical significance of any epidemiologic study
5 that Substance X caused Disease Y, correct?

6 A. I agree completely. It's unfortunate that you
7 did not get my November 25th report earlier because
8 that's exactly what I did. I analyzed the literature,
9 including some of my own studies on tissue of benzene
10 exposure and multiple myeloma and basically applied the
11 criteria. And the bottom line is when you look at all
12 the studies taking into strength of association,
13 consistency of association and so on, those response,
14 et cetera, the conclusion is there is no connection
15 between benzene exposure and multiple myeloma.

16 Q. I understand your conclusion.

17 A. I just want to explain to you how you get
18 there.

19 Q. We'll get to that in a little bit. How many
20 of the Bradford Hill criteria do you feel as an
21 epidemiologist are needed to evaluate and establish the
22 likelihood that Substance X caused Disease Y? Do we
23- need all of them?

24 A. I don't think we can specify we need four out
25 of six or five out of six or all six. I don't think we
48

< < NOGARA REPORTING SERVICE > >.

1 can do that. I think we really have to use our
2 professional judgment to see whether there is a
3 consistent picture, whether the risk is high enough for
4 us to rule out confounding, whether there is any dose
5 relationship and so on. I don't think we can quantify
6 that.

7 Q. Is a dose response relationship enough to
8 establish causality?

9 A. It's one of the criteria that we should use.

10 Q. Is that in and of itself enough? If we have a
11 temporal relationship is a dose response relationship
12 enough to establish causality?

13 A. I think you also need consistency from
14 different studies.

15 Q. How many studies do we need to be consistent
16 on a dose response basis with a temporal relationship
17 before we can say there's causality?

18 A. Again, I don't think we can quantify that.

19 And it's somewhat meaningless to ask how many studies.

20 How big are the studies? Are we talking about small
21 studies? If we're talking about small studies, we need
22 a lot of them. If they are good, well-designed large
23 studies, maybe we don't need as many. I think it all
24 comes down to when you combine the studies together
25 what does the meta-analysis tell you. How big is the

49

< < NOGARA REPORTING SERVICE

1 95 percent competency.

2 Q. Can one study negate another study by itself?

3 If we have one positive study and we have one negative
4 study, does the negative study tell us that the
5 positive study was just by chance so there's no
6 relationship?

7 A. If the two studies are about the same size,
8 the same quality, then I would say we have inconsistent
9 findings and we don't know what's what.

10 Q. Which would require the person doing the
11 evaluation to utilize their own professional judgment
12 and come to a conclusion as to whether Substance X
13 caused Disease Y, correct?

14 A. I'm not so sure. If you have two studies and
15 assuming that the two studies are the same in terms of
16 quality and sample size and so on, if you have
17 conflicting information, I don't see how one can come
18 to a conclusion either way.

19 Q. But that would be the professional judgment of
20 the evaluator, would it not? You may say you cannot
21 come to a conclusion. But someone else may utilize
22 their own professional judgment and say, "I think one
23 - study is either flawed. I think one study is better
24 than another one." Et cetera. For whatever reason
25 they may utilize that same data and their own

50

< < NOGARA REPORTING SERVICE

1 professional judgment and come to a different
2 conclusion than you would, correct?

3 A. I think we're changing the question slightly
4 now. Because when I said we cannot come to a
5 conclusion, that's based on the assumption that the two
6 studies are similar in terms of quality and sample
7 size. That's what I said. That's why I gave you that
8 preamble. Now, if you say that one study is clearly
9 better than the other, of course, we would put more
10 weight on the better study. That's just logical.

11 Q. Were you criticized by Dr. Savidz from North
12 Carolina as a result of your 1995 publication in
13 Occupational and Environmental Medicine entitled "Risk
14 of Acute Myeloid Leukemia, Multiple Myeloma and Workers
15 Exposed to Benzene in Regard to Specificity"?

16 A. I do not consider that as criticism.
17 Basically he said that I only looked at AML in my
18 analysis. And he said that if I add up all the other
19 leukemia cell types then I would also find a
20 statistically significant increase in cell types other
21 than AML.

22 And I have responded to his inquiry, I think,
23 quite adequately in my response published alongside
24 with his letter. And that is it's meaningless to
25 combine CML, ALL, and -- what was the other one -- the
51

< < NOGARA REPORTING SERVICE > >.

1 other three kinds. ALL, CLL and CML together. Okay?
2 His whole approach is if we add all those numbers
3 together we would have enough cases to justify the
4 analysis from the statistical point of view.
5 And my response to him was a medical question,
6 a health related question is more than just statistics.
7 It would be meaningless to combine them because CML is
8 not the same as ALL. ALL is basically a childhood
9 leukemia. CLL is not. And they have different risk
10 factors and so on. Okay? And I point out to him that
11 I did not say that my analysis proved that there is no
12 relationship between benzene exposure and CML. I
13 simply say that the data in that study did not provide
14 us with enough data, sufficient data to show either
15 way.
16 In order to look at the relationship between
17 benzene exposure and CML or other cell types we have to
18 go to other studies. But I disagree with him that an
19 analysis is based on statistics only. An analysis must
20 be able to make biological sense. And I think he
21 failed. in that.
22 Q. Now, when he wanted to combine all the
23 leukemia, as you did in 1987 and as you did before OSHA
24 from the Pliofilm cohort, all those leukemias
25 originated from the same stem line, didn't they, or
52
< < NOGARA REPORTING SERVICE > >.

1 don't they?

2 A. They don't originate from the same, what we
3 call stem cells. That is one of the confusions. And I
4 sympathize with you because I had a tough time trying
5. to understand that in the early days.
6 In the early 1980's, when people referred to
7 stem cell, most people thought that there is only one
8 kind of stem cell. But it turns out that later on, at
9 least I find out later, that there are different kinds
10 of stem cells. And some are more committed to a
11 specific cell line than the other. So when you say all
12 the leukemia cells coming from the same stem cell, it's
13 not quite true. They are coming from different cell
14 lines. And Professor Irons, at the University of
15 Colorado, certainly has written a lot about that. He
16 would be the expert to talk about that.

17 . Q. Would you agree with me that CML and AML come
18 from the same fluid-potent stem cells?

19 A. I'm not so sure I would agree with you. I
20 think specifically Richard Irons wrote a paper, or more
21 than the paper, saying that the metabolites of benzene
22 would only have an effect on AML, but not on CML.

23. Q. What does the metabolite of benzene, the
24 hydroquinone, have to do with CML? Don't other
25 investigators believe that there are other avenues that

53

< < NOGARA REPORTING SERVICE > >.

1 benzene utilizes to attack the stem cells in creating
2 the malignancy?

3 A. I don't know about that, whether there are
4 some people who believe in that or not. Certainly I
5 think Richard Irons is the authority on stem cell. And
6 he doesn't think that benzene can cause other cell
7 types besides AML. And from the epidemiologic point of
8 view, if indeed benzene can cause CML, then we should
9 be able to see that in epidemiologic studies. I have
10 yet to see a study linking benzene directly to CML.

11 Q. Didn't you have some CMLs in your study,
12 Doctor?

13 A. I think you misunderstand or you may not be
14 able to interpret epidemiologic study.

15 Q. That may be true, because I'm just a country
16 lawyer, Doctor. I have no idea what I'm talking about,
17 to be honest with you. I'm just wondering, didn't you
18 have some CMLs in your study?

19 A. Clearly if you're implying that if I have CMLs
20 in my study then, therefore, benzene must be able to
21 cause CML.

22 Q. I'm not asking you that. First of all, did
23 you have CML in your study?

24 A. Yes, I have CML in my study. And that is
25 quite to be expected because whenever you look at a
54

< < NOGARA REPORTING SERVICE >

1 group of 3,000, 4,000 individuals, let's say, lawyers.

2 If I have 3,000 lawyers and I observe them over 40
3 years I can tell you for sure I would expect to see
4 some CMLs.

5 Q. How many CMI-s did you have?

6 A. I don't remember. Maybe I have two. Not that
7 many cases.

8 Q. What number is CMI, what ICD 8 number?

9 A. I think it's 205.1.

10 Q. If it's 205.1 it looks like you had two of
11 them.

12 A. That maybe right.

13 Q. Now, then, weren't CMLs found in the Chinese
14 cohort?

15 A. It depends on which study, which paper you're
16 talking about.

17 Q. What about the most recent update with the
18 lead author being Hayes?

19 A. I don't have that paper in front of me, so I
20 won't be able to tell you the numbers.

21 Q. Do you have the Quinn or the Travis update in
22 front of you?

23 A. No, I don't.

24 Q. Do you have any of the Chinese literature in
25 front of you?

55

< < NOGARA REPORTING SERVICE >

1 A. I have one, which is Yin, 1996. By the way,
2 in that paper, Yin certainly analyzed multiple myeloma
3 as a separate group. And he didn't find a single group
4 of multiple myeloma in a cohort of 70,000 workers
5 exposed to benzene.

6 Q. Multiple myeloma is a very, very, very rare
7 disease in China, is it not, Doctor?

8 A. Yes, but at the same time if benzene can cause
9 multiple myeloma certainly you would see at least one
10 or two cases.

11 Q. What if the Chinese do not develop multiple
12 myeloma generally because of genetic differences, you
13 would not see a rise regardless of exposure, would you?

14 A. Are you saying that we don't see multiple
15 myeloma at all in Chinese?

16 Q. No, I don't think you can say that. I think
17 you can say, though, that it is very, very rare.

18 A. Rare to the extent that we don't see a single
19 case in 70,000 people over many years?

20 Q. Doctor, how many multiple myeloma are in the
21 controls?

22 A. In what study?

23 'Q. In any of the Chinese study?

24 A. I'm not so sure they list that.

25 Q. He had to list it if he made a comment that he

56

< < NOGARA REPORTING SERVICE

1 didn't have any multiple myeloma in his exposed, didn't
2 he?

3 A. No, he did not list that.

4 Q. Did he just make the comment he didn't find
5 any multiple myeloma in his control group?

6 A. Yes, in the table he simply said it is zero.

7 Q. Did he list the expected?

8 A. He didn't list the expected.

9 Q. In the body of the report?

10 A. I don't see that.

11 Q. At least not in the '96 body?

12 A. Not in the paper that I have in front of me.

13 MR. DAMICO: The court reporter needs like a
14 two-minute break.

15 MR. HARTLEY: Okay.

16 (Brief recess.)

17 MR. HARTLEY: Q. Doctor, in your abstract to Part
18 2 of the 1987 publication you indicate there is a dose
19 response relationship between cumulative exposure and
20 non-Hodgkin's lymphopoietic cancer was a borderline
21 significant P equaling to point zero P6.

22 A. We looked at that before.

23 Q. We talked about that before?

24 A. Yes.

25 Q. You also mentioned -- I want to get one thing

57

< < NOGARA REPORTING SERVICE

1 clear. Are you saying that AML and CML do not
2 originate from the same stem cells, as far as you
3 understand?

4 A. I better not answer that question because 1
5 really should. go back and look at some of the Richard
6 Irons papers. What I said before was that the
7 metabolite of benzene only picked on, according to
8 Richard Irons, only picked on AML, not CML.

9 Q. I'm not concerned with what the metabolite
10 does. I'm concerned about what the stem cell AML and
11 CML originate from.

12 A. I don't know if they originate from the same
13 committed stem cell or not. That's what I don't know.

14 Q. How about the primitive stem cell, are you
15 familiar with whether AML and CML derive from the
16 primitive uncommitted stem cell, the same one?

17 A. Well, when you use the term "uncommitted
18 primitive stem cell" then everything has to come from
19 that.

20 Q. So everything comes from the primitive
21 uncommitted stem cell, does it not?

22 A. Right. But that theory is really out of date
23 now.

24 Q. It is out of what?

25 A. Out of date. That we should look at committed

58

< < NOGARA REPORTING SERVICE > > .

1 Q. Are you going to testify that benzene does not
2 cause non-Hodgkin's lymphoma?

3 A. In this case?

4 Q. Yes, in this case. If that question is put to
5' you by me during cross-examination, would you say that
6 benzene does not cause non-Hodgkin's lymphoma?

7 MR. DAMICO: Well, I'll object on relevance.

8 MR. HARTLEY: Q. That means you can answer the
9 question during deposition.

10 A. I have not done a complete search on
11 non-Hodgkin's lymphoma and benzene exposure. My
12 assignment coming here today was to look at benzene
13 exposure and multiple myeloma and that's what I have
14 done. If you can convince the lawyers to authorize me
15 to do work on non-Hodgkin's lymphoma and benzene
16 exposure, if I have time I'll look into that.

17 Q. What would you charge Mr. Damico by the hour
18 to do that, Doctor?

19 A. I charge \$380 an hour.

20 Q. I kind of doubt he's going to want you to do
21 that.

22 MR. HARTLEY: But, Dave, if you do, let me know.

23 MR. DAMICO: I'll send you half the bill.

24 THE WITNESS: Maybe if you are interested in
25 finding out the truth, maybe you can pay me to do that.

60

< < NOGARA REPORTING SERVICE > >.

1 Just kidding you. You can ask the next question.

2 MR. HARTLEY: a. Thank you. I was going to
3 respond with a smart remark but I elected not to.

4 Does not multiple myeloma come from the same
5. cell line as non-Hodgkin's lymphoma? That was the
6 basis for the first question.

7 A. I don't know whether they're coming from the
8 cell line, the same, and what stem. I think we should
9 really go back and look at that. And again, I'm not an
10 expert in the area. So I would not be the one who
11 would even do that research. I think, again, someone
12 in the area of hematology or toxicology would be able
13 to answer that question and not me.

14 Q. How do you know what disease category each of
15 the various malignancies should be grouped in when you
16 do your analysis? Do you just go through and say this
17 is 2 and this is 203 so we can put them together or do
18 you have some understanding of that process?

19 A. I have some understanding based on the review
20 papers done by people like Richard Irons and some other
21 hematologists. As I point out to you in my report,
22 there's some textbooks on hematology, as well as review
23- articles, indicating that multiple myeloma,
24 non-Hodgkin's lymphoma and leukemia are different
25 diseases. And when I look at the publications by the

61

< < NOGARA REPORTING SERVICE >

1 National Cancer Institute, multiple myeloma is a
2 distinct entity. They don't put multiple myeloma and
3 non-Hodgkin's lymphoma together as one analysis.
4 Q. One final question about the Chinese study and
5 then we'll move on. When you are doing a study of a
6 population to determine whether there's an elevated
7 risk of a particular disease you certainly want to look
8 at a population that develops that particular disease
9 entity before determining whether or not that would be
10 the logical cohort to study, don't you?
11 A. I don't follow your question. It's kind of
12 long, Can you repeat that?
13 Q. Sure. When the study of the Chinese cohort
14 was made going in, if we know that multiple myeloma is
15 a very rare disease among the Chinese, it would not
16 make one surprised to learn that there is either a
17 deficit or no increase in multiple myeloma for the
18 benzene exposed population, would it?
19 A. When you say "rare," I don't know how rare
20 that is. Because they did not report -- at least based
21 on the article I have in front of me -- we don't know
22 what the expected would be. I guess the proper
23 question to ask is in the Chinese cohorts of 70-plus
24 thousand workers exposed to benzene, that's what they
25 claim, I would like to know how many multiple myeloma
62

< < NOGARA REPORTING SERVICE >

1 they expect to see in that cohort. Okay? If they only
2 expect one case or two cases and they don't see any,
3 then I would say that's reasonable. But on the other
4 hand, if they expect to see five or six or even more
5, cases and they don't see any, then I would say why is
6 that? I want to look into that.

7 Q. Is it better to study populations with a
8 greater disease frequency or one that has less of a
9 frequency of the disease you're studying?

10 MR. DAMICO: That's a difficult question. Did you
11 say "better"?

12 MR. HARTLEY: Q. Yes. Would you study a group of
13 30-year-old males for prostate cancer?

14 A. I think I understand what you're asking. If
15 you study a population in which the rate of disease is
16 rare, then you need a large population in order to come
17 up with something statistically meaningful. Is that
18 what you're asking?

19 Q. Yes.

20 A. By the same time -- well, I shouldn't say
21 that. On the other hand, if you study something that's
22 very common in the population, most likely that implies
23. theft disease in that population would have many, many
24 different risk factors. And that would create a
25 different set of problems.

63

< < NOGARA REPORTING SERVICE >

1 Q. What about smoking, is smoking an example of
2 that?

3 A. Well, it depends on what disease you're
4 talking about. Certainly for tobacco-related diseases
5 that would be a problem.

6 Q. For lung cancer. There are many risk factors
7 for lung cancer other than smoking?

8 A. Was that a question or did you just comment on
9 that?

10 Q. That's true, right?

11 A. What was true?

12 Q. That there are other risk factors for lung
13 cancer other than smoking?

14 A. Oh, absolutely.

15 Q. And that would be an example of what you're
16 talking about?

17 A. Yes.

18 Q. Are there risk factors for multiple myeloma?

19 A. Yes, I think so.

20 Q. Could you delineate those for me?

21 A.' I think I might have listed a number of them
22 as an example in my report, dated -

23 Q. I didn't get that report yet. I'll have to
24 disconnect and call down.

25 A. On Page 3.

64

< < NOGARA REPORTING SERVICE >

1 Q. Of which report, Doctor?

2 A. The report dated November 25th, 1997.

3 Q. Why don't you list the risk factors for me.

4 A. Based on a number of studies, at least some
5 risk factors has been identified in those studies. And
6 that would be virus, use of laxatives, a history of
7 diabetes, and, well, talking about cigarette smoking,
8 including cigarette smoking. Those would be examples
9 of risk factors of multiple myeloma.

10 Q. How does cigarette smoking relate to multiple
11 myeloma? I believe you testified back in '95 that
12 based upon your review of the literature at that point
13 in time you did not think that there was a sufficient
14 connection between smoking and multiple myeloma for
15 someone to opine that there was a relationship or a
16 risk factor.

17 A. I don't know where -- I just list them as risk
18 factors that have been identified by some people in
19 their study. I did not say that the relationship is
20 definitive. Again, the lawyers have not asked me to do
21 a complete research on those issues. And I didn't
22 spend much time on it. In fact, those are the studies
23 that I come across when I look at benzene and multiple
24 myeloma. They also talk about other risk factors. I
25 just list them as an example that multiple myeloma may
65

< < NOGARA REPORTING SERVICE > >.

1 A. When you talk about studies, the only thing I
2 can think of would be the study published by Rinsky -
3 R-i-n-s-k-y. Rinsky in 1987. But, as you know,
4 subsequently, I have updated the study and published in
5 1985. And basically we found that there was no
6 relationship. Even in the 1987 Rinsky paper he did not
7 find a dose response relationship between benzene
8 exposure and multiple myeloma.

9 Q. But he nevertheless found an elevated -
10 didn't he find as SMR of three point something or four
11 point something that was statistically significant?

12 A. Close to four.

13 Q. And that cohort indicated or that study
14 demonstrated that there was an elevated risk of
15 multiple myeloma in this cohort, did it not, in '87?

16 A. On the surface it does. But at the same time,
17 if you go back to the Hill criteria, then the Rinsky
18 1987 paper only meets the first criteria. And that is
19 a significance of association. It does not meet
20 consistency. Because when you look at other studies
21 you don't find that. It does not meet dose response,
22 because even Rinsky himself admits that there was no
23 dose response relationship.

24 Q. Well,, you can't make the dose response
25 relationship on four cases, can you?

67

< < NOGARA REPORTING SERVICE > >

1 A. Well, but you based the findings on four
2 cases, the same four cases. You said that was a
3 significant increase.

4 Q. Is there enough statistical, Doctor, for four
5 cases to provide you with a dose response relationship
6 if you're breaking up the doses as Rinsky did?

7 A. Well, actually there are two questions. One
8 is indeed you see an upward trend, but the trend may
9 not be statistically significant. And that may be
10 because your study is too small. It does not provide
11 you with enough cases. So that you can say that there
12 is a significant trend. But there was no trend at all.
13 I'm not talking about whether it's significant or not.
14 Three out of the four cases were short-term workers.
15 One guy worked there for like four days.

16 Q. I understand that. But when you made your
17 comments on the study to Gulf Canada in 1983, didn't
18 you indicate that the cohort definition appeared
19 adequate?

20 A. The cohort definition of what study?

21 Q. Of the Pliofilm study.

22 A. Yes.

23 Q. Didn't you indicate that the cohort definition
24 appeared adequate and the cohort definition included
25 anyone who worked on the job site for one day? That's

68

< < NOGARA REPORTING SERVICE

1 what you told Gulf Canada, that that definition was
2 adequate.

3 A. Was inadequate, right?

4 Q. No, adequate. A-d without an I-n. Adequate.

5 Wasn't that your statement to Gulf Canada in 1983?

6 A. You have to show me the document in 1983. I

7 want to read the whole paragraph. I don't want you to

8 pick out one sentence and read that to me. I don't

9 know what content that would be.

10 Q. Do you remember making comments on the NIOSH

11 study of leukemia and benzene studies to Gulf Limited

12 in August of 1983?

13 A. I remember making some comments. I don't

14 remember the date. It was sometime ago. But

15 certainly, if you want me to explain to you, you've got

16 to show me the document.

17 Q. It's hard when I'm on the East Coast and

18 you're on the West Coast. But the first sentence of

19 your second paragraph says, "The cohort definition

20 appeared adequate." At that point in time you did not

21 make a comment or contest the adequacy of the cohort

22 definitions. Now, you did go on to point out though,

23 and I will be fair to you, that, yes, there were some

24 people who were only on the job site for a very, very

25 short period of time. But nevertheless, you indicated

69

< < NOGARA REPORTING SERVICE >

1 that the definition of the cohort appeared adequate.

2 Do you recall that?

3 A. Yes, but that has nothing to do with the dose
4 response relationship. What I'm trying to point out to
5 you in terms of the multiple myeloma result is that
6 three out of the four cases were short-term workers.
7 Certainly that doesn't give us any indication of those
8 responses at all, significant or otherwise.

9 Q. If three out of the four were short-term
10 workers, when you reviewed the results for Gulf Canada
11 why didn't you say that the cohort definition is
12 inadequate because it over includes and has the
13 possibility of including people who were only on the
14 job site for one day?

15 A. No. No. No. I think you're mixing up two
16 things. One is the cohort of definition. The cohort
17 of definition, you can define it any way you want.
18 Most people would define it as anyone who worked at the
19 site for at least three months, six months or a year.
20 But NIOSH decided to use one day. Okay? And assuming
21 that indeed, you know, those people worked there for at
22 least one day, that is a correct definition. That's
23 okay. But that means they have to include a whole
24 bunch of short-term workers.

25 I would not design a study as such because you

70

< < NOGARA REPORTING SERVICE >

1 are wasting your money and effort on a lot of people
2 with short-term exposure at that particular site. But
3 those people may have a lot of other exposures from
4 elsewhere that we don't know, exposure to other
5 chemicals. What I'm saying is in terms of the multiple
6 myeloma finding there are only four cases. But three
7 of them work at that site for a very short period of
8 time. How can we say that those three multiple myeloma
9 deaths were related to the exposure at the site when
10 they were short-term workers, when they spent most of
11 their time, their career elsewhere? That's a
12 distinction between a cohort definition and a causation
13 analysis.

14 Q. I understand that. But since that was the
15 definition of the cohort we're stuck with those one- or
16 two-day workers and their development of multiple
17 myeloma, are we not?

18 A. Yes. But the interpretation has to be
19 appropriate. If in the Rinsky study they see all four
20 cases among people who worked there for 20-some years
21 with a lot of exposure, then I say maybe there is
22 something between benzene exposure and leukemia. But
23 that's not the case. It's the opposite.

24 Q. Do you think that no one considers benzene to
25 be a risk factor for multiple myeloma?

71

< < NOGARA REPORTING SERVICE > >.

1 A. Certainly you do. Certainly the experts you
2 hired do. I can't say that statement. That doesn't
3 make sense, that question.

4 Q. Well, do you believe that it is recognized
5 within the scientific community that benzene is not a
6 risk factor for multiple myeloma?

7 A. Certainly not based on properly done
8 epidemiologic studies.

9 Q. Do you know who Bernie Goldstein is?

10 A. Yes, .I know who he is.

11 Q. Are you familiar with his work?

12 A. Basically he's a toxicologist. I don't think
13 he's an epidemiologist. I really don't know his work
14 that well.

15 Q. Would you agree that he is well-known in the
16 area of benzene related diseases?

17 A. He is well-known in terms of toxicology
18 related to benzene, not on benzene epidemiology.

19 Q. And he has expressed his opinion, has he not,
20 in, I believe, the early 1990s, that benzene more
21 probably than not causes multiple myeloma? Has he or
22 has he not done that first? And then you can explain.

23 A. I have seen some of his editorial comments.

24 And basically I think he relies on a couple of case
25 reports to support his opinion. And he may even rely

72

< < NOGARA REPORTING SERVICE > >.

1 on the Rinsky 1987 paper. But that is incomplete
2 literature review. And most of that is based on case
3 reports. And I don't think case reports are
4 appropriate for causation analysis.

5 Q. He looked at the biological possibility, did
6 he not, as a toxicologist?

7 A. Yes, it's kind of interesting. When you look
8 at the biological possibility certainly if you find
9 something, then you look at whether it is possible or
10 not. But saying something that's possible is no proof
11 of causation. The bottom line is if indeed you do have
12 a relationship between benzene exposure and multiple
13 myeloma you should be able to see that in epidemiologic
14 studies.

15 Q. But isn't it more rare than leukemia?

16 A. It may be rarer than leukemia, but, still, we
17 have so many studies. When you have some time, read my
18 report. You will see that the studies indicate that
19 there is no increased risk. It is not just based on
20 one or two studies.

21 Q. "We were talking about the fact of the
22 scientific community, would there be anyone who would
23 think it would be related. And naturally you pointed
24 to me, as the plaintiff's counsel, and the experts that
25 I have hired.

73

< < NOGARA REPORTING SERVICE >

1 Goldstein is not hired by either side and was
2 in fact a consultant to API at one time. And he has
3 concluded that multiple myeloma is probably caused or
4 is more likely than not to be caused by benzene
5 exposure.

6 A. I don't think that statement is completely
7 true. Dr. Goldstein certainly has some project from
8 API in the past. He might have been a consultant to
9 API. But at the same time, you know, a lot of us have
10 been consultants to OSHA as well. I don't think how
11 much that can buy us. The part that you said Dr.
12 Goldstein was not hired by -

13 Q. Either side.

14 A. -- either side, I don't think that's true. I
15 think at least in one case I ran into him. He was
16 hired by the plaintiff attorneys.

17 Q. Have you ever testified for the plaintiff in a
18 benzene case, Dr. Wong?

19 A. No.

20 Q. Have you ever been asked to?

21 A. Some people asked for my opinion and I
22 indicated to them. And I think that that was the end
23 of the conversation.

24 Q. Have you ever advised plaintiffs counsel that
25 have asked you that there would be a conflict of

74

< < NOGARA REPORTING SERVICE > >

1 interest in light of all the work you do for industry?

2 A. I don't see that as a conflict of interest.

3 Basically I just report on the findings that I have

4 done, as well as interpret similar studies done by

5 other people.

6 MR. HARTLEY: I'm going to stop for five or ten

7 minutes here. I'm going to stop and see where the

8 report is because they haven't brought it up.

9 MR. DAMICO: Let's go off the record.

10 (Discussion off the record.)

11 (Brief recess.)

12 MR. HARTLEY: Q. When you do your meta-analysis

13 and you combine various epidemiologic studies, do you

14 review those studies to note whether they are of equal

15 value?

16 A. When I combine those studies, for example,

17 refinery studies, the cohort definition would be quite

18 similar. And the exposure would be quite similar, as

19 well. I don't combine refinery studies with paper mill

20 studies, for example. I don't combine refinery studies

21 with truck drivers, so to speak. So at least there is

22 some common exposure across the studies.

23 Q. What about is latency important in those

24 various studies if you're looking at them when you have

25 a younger cohort as opposed to an older cohort in the

75

< < NOGARA REPORTING SERVICE > >.

1 various studies you're going to attempt to combine?

2 A. Certainly that creates somewhat of a problem

3 when you combine the studies because different studies

4 use different cut points for latency or for exposure,

5 length of employment, length of exposure and so on.

6 And that being the case, it would be impossible to

7 combine different studies by length of exposure and

8 length of latency or by any other exposure

9 measurements. That is somewhat of a problem.

10 But to the extent that when you do a

11 meta-analysis you also need to look at individual

12 studies. And we did that. And we do some, what we

13 call stratified meta-analysis. In other words, we look

14 at the refinery workers as one group. We look at the

15 distribution workers as another group and so on and see

16 where there are any major differences between different

17 groups.

18 Q. How do you account for short follow-up time in

19 the various cohorts?

20 A. We tried to do that. In fact, I don't know

21 whether - 1 assume you may not have a copy of our

22 multiple myeloma meta-analysis paper which was

23 published last month in the Journal of Regulatory

24 Toxicology and Pharmacology. In that paper, actually,

25 we tried to group the studies of short-term follow up,

76

< < NOGARA REPORTING SERVICE > >

1 well, shorter follow up. Let's see. That's Table 6 in
2 that paper.
3 We grouped the studies with length of follow
4 up less than 20 years as one group. And we grouped
5 studies whose length of follow up is 20 years or more
6 as another group. And in that table I present the SMR
7 for both groups of studies and they are about the same.
8 In one group the SMR was 0.99. In the other group the
9 SMR was 0.92. So they are very comparable.

10 Q. When you are looking for confounders in a
11 particular study; suppose we're doing a study for the
12 relationship, for simple terms, of cigarette smoking
13 and lung cancer. What types of confounders would you
14 be looking for when you are actually doing the study?

15 A. Well, if the interest is lung cancer, then you
16 have to find out what else do we know about lung
17 cancer. What other risk factors we have for lung
18 cancer and whether those risk factors would be more or
19 less the same, similar, between the exposed group, the
20 group who smoked, and the group who do not smoke.

21 Q. Now, this may be a stupid question, but what's
22 the risk factor?

23 A. The risk factor for lung cancer?

24 Q. No, a risk factor in general.

25 A. Risk factor meaning that if you do have that

77

< < NOGARA REPORTING SERVICE > >.

1 risk that risk will be increased to a certain disease
2 as a result of that. We would say that smoking would
3 be a very strong risk factor for lung cancer.

4 Q. To be a risk factor does there have to be a
5 determination of causality?

6 A. In a way it does because without that you
7 don't know that that is a risk factor.

8 Q. Who determines risk factors, the author or the
9 scientific literature?

10 A. I don't think it's black and white. The
11 authors present--- usually the authors present the
12 data, present the analysis and make some kind of
13 interpretation of the data and may or may not come to a
14 definitive conclusion in terms of causation. But other
15 epidemiologists looking at the same data can either
16 agree or disagree with the author.

17 Q. As to the confounders or as to the causation
18 analysis that he's trying to either prove or disprove?

19 A. All of the above. I mean, you know, other
20 people looking at a specific study may disagree with
21 how the data should have been collected, how the data
22 should have been analyzed or the interpretation was not
23 supported by the analysis. The criticism, the
24 agreement or disagreement can be throughout the entire
25 research process.

78

< < NOGARA REPORTING SERVICE > >.

1 Q. When you're looking at risk factors for a
2 particular disease process you only utilize the risk
3 factors that have been determined to be causally
4 related to the particular substance under
5 investigation?

6 A. I'm not so sure I understand your question.

7 But when you do a study you want to include some of the
8 confounders. You want the control for some of the
9 confounders. You would like to have information on the
10 confounders so that you can adjust for that in the
11 analysis or control for that in the design.

12 One simple confounder that everyone of us
13 would agree would be age on the disease process. For
14 example, if I have a group of workers exposed to
15 benzene and I can calculate the rates of leukemia in
16 that group. I want to compare that to the general
17 population. But, of course, I need to adjust for age
18 because we know that leukemia rarely changes as we get
19 older. So age is a confounder to that extent. And we
20 need to adjust for that in the analysis. And that's
21 why we have the procedure called standardized mortality
22 ratio, SMR. In the SMR the expected age is adjusted.
23 That means that we have adjusted for that.

24 Q. Are confounders and risk factors synonymous?

25 A. In most situations I would say. yes because

79

< < NOGARA REPORTING SERVICE > >

1 basically a risk factor is more general than
2 confounder. Confounder is a risk factor whose effect
3 we don't want to study, per se. But we need to be
4 aware of that so we can adjust for that. For example,
5 if I look at a study of, again, between benzene and
6 leukemia, age is a risk factor for leukemia. But in
7 this study, in this study that I conducted, age is also
8 a confounder because I'm not interested in the age
9 effect on leukemia. I'm only interested in adjusting
10 for or controlling the confounding effect into age.

11 Q. Is age a risk factor for multiple myeloma?

12 A. Oh, certainly because depending on what your
13 age is your risk of multiple myeloma would be
14 different.

15 Q. Did you look at any statistics to see what the
16 risk of developing multiple myeloma at the age of 39
17 would be?

18 A. I have not -- I can't give you a number. But
19 certainly that's something very easy to look up.

20 Q. Do you think it would be higher or lower than
21 the age at 60?

22 A. I think it would be lower.

23 Q. Would you agree that developing multiple
24 myeloma at the age of 39 is certainly statistically
25 improbable?

80

< < NOGARA REPORTING SERVICE > >

1 A. No.

2 Q. Would you agree that it would be unlikely to
3 occur absent some environmental agent?

4 A. No.

5 Q. Is it more likely than not to occur at 39
6 without some environmental agent being the causative
7 agent?

8 A. No. Regardless of age most multiple myeloma
9 cases that we report in this country are not related to
10 any specific exposure.

11 Q. Even at the age of 39?

12 A. That's true.

13 Q. And we talked a little bit earlier about
14 statistically multiple myelomas normally occur in the
15 sixth decade of life, correct?

16 A. No. We say that that is the age group we
17 start seeing more multiple myelomas than the other age
18 groups.

19 Q. Did you review Mr. Fannin's background, if you
20 will, one, his social background, to determine if there
21 were any risk factors in his background for the
22 development of multiple myeloma?

23 I don't know what you mean by any -- I guess
24 documents related to his social background. The
25 documents that I have reviewed specific to the

81

< < NOGARA REPORTING SERVICE > >.

1 plaintiff in this case are all listed on my November
2 25th report on the first page. Basically they include
3 answers to interrogatories, as well as deposition
4 transcripts and also medical records. That would be
5 the extent of my information.

6 Q. In your opinion, Doctor, is there any known
7 cause of multiple myeloma?

8 A. I don't know whether ionizing radiation would
9 be one. I don't know whether ionizing radiation is a
10 known -- known, I assume you mean well-accepted by
11 everybody. I don't know whether that's well-accepted
12 or not.

13 Q. Did you find any risk factors in your review
14 of this case for Mr. Fannin's development of multiple
15 myeloma?

16 A. I have not done that. I have not been asked
17 by the attorneys to look into other risk factors.

18 Q. Do you know whether there's an association
19 between metals and multiple myeloma?

20 A. Metals?

21 Q Metals generally.

22 A. I'm not aware of any.

23 Q. How about stainless steel and multiple
24 myeloma?

25 A. I'm not so sure I understand.

82

< < NOGARA REPORTING SERVICE > >

1 Q. Working with stainless steel.

2 A. Working with stainless steel itself?

3 Q. Yes, sir.

4 A. It's kind of difficult to answer that

5 question. Because when somebody works with stainless

6 steel I assume he or she is also exposed to other

7 things in relation to working with stainless steel.

8 And I don't know what that is. That person may be a

9 welder or that person may be -- I don't know. I don't

10 know what other exposure would be. But I don't know of

11 any direct relationship between stainless steel itself

12 and multiple myeloma.

13 Q. What about working with aluminum?

14 A. I have not done anything on -- I have not

15 looked into that. I can't answer the question.

16 Q. Propane, working with propane or working

17 around propane?

18 A. I'm not aware of any.

19 Q. What about working around coal or coal dust?

20 A. That I don't know.

21 Q. Is rheumatic fever related in any way to

22 multiple myeloma?

23 A. I think there may be one or two studies or may

24 be even two or three studies reporting a

25 non-significant increase for that. _But, again, I don't

83

< < NOGARA REPORTING SERVICE > >.

1 think I would be in a position today to make a
2 definitive statement on that, because I have not looked
3 at that issue specifically.

4 Q. Well, you had to rule out other potential risk
5 factors, didn't you, for Mr. Fannin, or did you just
6 assume that since benzene doesn't cause multiple
7 myeloma I don't need to look at anything else that he's
8 been exposed to?

9 A. I'm not sure I understand the question. But
10 my assignment in this case was to look at whether there
11 is any relationship, causal relationship between
12 benzene exposure and multiple myeloma. I have not been
13 asked to look at stainless steel or aluminum and so on.

14 Q. So when it comes time for trial you won't come
15 into the courthouse and tell the jury that Mr. Fannin's
16 exposure to metals or stainless steel or aluminum or
17 propane or coal or coal dust was the cause of his
18 multiple myeloma, will you?

19 A. At this point I don't have non-Hodgkin's
20 leukemia to say that. But on the other hand, if the
21 attorneys decide to ask me to look into that, then I
22 may be able to offer an opinion when I testify. But
23, I'm sure if they asked me to do that we would tell you
24 what the opinion is on that.

25 Q. What articles do you recall that indicate that

84

< < NOGARA REPORTING SERVICE > >.

1 rheumatic fever is a risk factor for multiple myeloma?

2 A. I think it's one of the papers that I have

3 reference to in my first report, the report dated

4 November 25th. I won't be able to find the paper for

5 you now, but one or two papers may have talked about

6 that.

7 Q. Would you be kind enough to advise Mr. Damico

8 sometime about what papers it is?

9 A. Okay.

10 Q. Has it been proven causally that rheumatic

11 fever is associated with multiple myeloma?

12 A. I cannot answer the question. As I said, I

13 have not done a thorough research on that that I feel

14 comfortable to answer your question today. And if the

15 lawyers ask me to do that, I will be happy to do it.

16 Q. So as we sit here today under your own

17 definition of a risk factor, that it needs to be

18 causally established as a substance that would cause

19 multiple myeloma, you can't say that rheumatic fever is

20 a risk factor?

21 A. I cannot say that it is or is not today.

22 Q. I understand that. Now, then, are there

23 certain occupations that the literature indicates have

24 a higher risk of developing multiple myeloms based upon

25 your review "of the literature?

85

< < NOGARA REPORTING SERVICE > >.

1 A. Well, again, I said that my literature review
2 was focused on the benzene as a potential risk factor.
3 I did not look at occupations, per se.

4 Q. Well, you looked at diesel exposed workers,
5 - diesel exposed workers and creosote workers. And in
6 those articles they talk about various risk factors for
7 various occupations, don't they?

8 A. Yes, sometimes they talk about occupations.

9 Yes.

10 Q. And isn't it true that a few of the articles
11 that you yourself cited indicate there's an elevated
12 risk for railroad workers for the development of
13 multiple myeloma?

14 A. Well, the problem is when you talk about a
15 railroad worker we don't know exactly what they mean in
16 terms of exposure. Some studies indicate that railroad
17 workers might have an increased risk of multiple
18 myeloma, but other studies indicate that there is no
19 increased risk. So I don't think you would be, at
20 least as far as my assignment goes, talking about
21 benzene, creosote and diesel emission, I don't think I
22 should rely on the job title, the occupation railroad
23 workers. I should rely on the specific chemicals.

24 Q. Has it been reported in the literature that
25 being a railroad worker is a risk factor for the

86

< < NOGARA REPORTING SERVICE > >.

1 development of multiple myeloma?

2 A. I told you that a couple of studies indicate
3 that there is an increased risk. But at the same time
4 there are also studies indicating there are no
5' increased risk.

6 Q. Can you tell me a study that indicates that
7 there is not an increased risk for being a railroad
8 worker for the development of multiple myeloma?

9 A. If you're patient, I can see if I can find the
10 paper. Okay. If you turn to the references I have.

11 Q. In your 25th report or the 28th report?

12 A. It may appear in both reports. Yes, in fact,
13 it appears in both reports. It's the study by
14 Erickson. Do you see that?

15 Q. Wait a minute. Erickson and Carlson?

16 A. Yes. Do you have a copy of that paper?

17 Q. I do by happenstance.

18 A. If you turn to Page 98.

19 Q. I'm there. Table 2.

20 A. Wonderful.

21 Q. Railroad workers, the relative risk was 1.14.

22 Wait a second. 90 percent.

23 A. Wait. Are we looking at the same paper?

24 Q. Maybe. Erickson.

25 A. Erickson and Carlson, Table 2.

87

< < NOGARA REPORTING SERVICE > >

1 Q. Yes. Erickson and Carlson.

2 A. The relative risk for a railroad worker is

3 0.5. The very first -- the one on top.

4 Q. Table 1 ?

5 A. Table 2.

6 Q. Railroad worker. 0.5.

7 A. Yes.

8 Q. All right. I looked at road workers and

9 misconstrued that.

10 A. Road workers are not the same as railroad

11 workers, I would assume.

12 Q. I would assume they're not either. Do you

13 have -- I can never pronounce his name. Bopheta. Do

14 you have Sopheta '88 in front of you?

15 A. Absolutely.

16 Q. Look at Bopheta '88.

17 A. Okay.

18 Q. Didn't they determine that there was a

19 relative risk or an odds ratio for the development of

20 multiple myeloma?

21 A. •What table are you on?

22 Q. I'm on Table 4.

23 A. Table 4.

24 Q. Page 556.

25 A. Yes, I think you gave me the wrong reference.

88

< < NOGARA REPORTING SERVICE > >.

1 That's Bopheta 1989. Did you say '88?
2 Q. '89. I'm sorry. I meant '89. I said '88.
3 Didn't they determine that the odds ratio for railroad
4 workers and the instance of multiple myeloma was 6.0
5 , statistically significant to the .05 level?
6 A. Yes, I'm looking at that. That's why I say
7 you cannot rely on the occupation, because railroad
8 workers can mean different things to different people.
9 And certainly you have a big discrepancy between the
10 two studies. One thing you do want to look at is when
11 the two studies give you different results you may want
12 to look at the sample size. If you look at the Bopheta
13 paper, you will see that there are three cases. Do you
14 see the three slash two? That means there's three
15 cases of multiple myeloma in railroad workers and there
16 were two railroad workers in the control.
17 So the important thing is to look at those
18 three cases, the cases of multiple myeloma in railroad
19 workers. Whereas, if you look at the Erickson paper -
20 let me find my Erickson paper again. If you look at
21 the Erickson paper, I look at Table 2 on Page 98. You
22 see that you have seven cases of multiple myeloma.
23. Railroad workers.
24 So everything being equal, then the Erickson
25 study should be more accurate and more reliable than
89
< < NOGARA REPORTING SERVICE > >

1 the Bopheta study because the sample size is much
2 bigger.

3 Q. Well, just because a study is smaller, Doctor,
4 doesn't necessarily mean that you can't find a
5 relationship, does it? I mean, really, what you're
6 concerned with is the Beta error, aren't you, not being
7 able to find a relationship with a smaller study?

8 A. Well, we are concerned with both. If, again,
9 if you think that they define railroad worker in an
10 accurate way in both studies, and everything being
11 equal in those two studies, then sample size would be
12 something to be considered.

13 Q. Why would sample size be something to be
14 considered when you found a relationship in the smaller
15 study?

16 A. Well, because a misclassification of one
17 single case would change the result completely. After
18 all, it's -- the whole thing depends on three cases.

19 Q. That happens all the time, doesn't it?

20 A. If the definition of railroad worker is not
21 accurate, if somebody answered the question railroad
22 worker incorrectly, if it happened in only one case,
23 the whole thing would go away.

24 Q. Isn't that accounted for in the statistical
25 tests, though?

90

< < NOGARA REPORTING SERVICE >

1 A. Yes. If you take out one case, the 95 percent
2 confidence level will be different.

3 Q. But it's accounted for when you do the
4 statistical analysis, isn't it?

5 A. Assuming all three cases were indeed railroad
6 workers. The statistical test does not account for
7 misclassification. .

8 Q. Do you have Heinman?

9 A. I do.

10 Q. Would you find that for me? It's Table 1.

11 Did you find it, Doctor?

12 A. I'm still looking. What page are you on?

13 Q. It looks like it's Page 558.

14 A. Okay.

15 Q. At the bottom, transportation and material
16 handling, Table 1, the continuation of Table 1 from the
17 previous page.

18 A. Right.

19 Q. Road and railroad workers. The odds ratio is
20 Although not statistically significant because it
21 includes 1, it nevertheless is elevated?

22 A. Yes. But it also includes road workers as
23 well. So it's not just railroad workers, but also road
24 workers.

25 Q. Turn to Table 2.

91

< < NOGARA REPORTING SERVICE > >.

1 A. And if you go back to Erickson, because you
2 make a mistake of looking at the road workers, the road
3 workers have a risk twice as high as railroad workers
4 in the Erickson study. So when you combine the two,
5 maybe the increase comes from the road workers and not
6 the railroad workers.

7 Q. Would you turn to Table 2 for me?,

8 A. Yes.

9 Q. At the bottom, railroad, that would be
10 transportation. Underneath that, railroads?

11 A. Yes.

12 Q. The OR is 1.4, although not statistically
13 significant, because the lower end of the range is
14 below one and still is an elevated risk for railroad
15 workers to develop multiple myeloma, correct?

16 A. Correct.

17 Q. Going back for a second to -- let me find my
18 note. Bopheta, '89. You indicated that if any one of
19 these three cases were mischaracterized or their
20 employment was mischaracterized in any way, that that
21 would change the odds ratio.

22 A. Yes.

23 Q. Do you have any evidence that any of those
24 three cases were mischaracterized by anyone?

25 A. I'm not saying there is evidence. All I'm

92

< < NOGARA REPORTING SERVICE >

1 saying is that being a very small study, and the result
2 depends on only three cases, that we have to interpret
3 that very carefully. That's all I'm saying.

4 Q. I just want to make sure you didn't have
5 something that I was unaware of. Let's skip for a
6 second to engine exhaust, Dr. Wong. In your opinion,
7 is engine exhaust a risk factor for multiple myeloma?

8 A. Well, again, that's not very specific. I
9 tried to include that because sometimes people include
10 engine exhaust as both gasoline exhaust as well as
11 diesel exhaust. And let me look at my report, what I
12 said. Well, there are two studies from Sweden. The
13 first study was done by, I think it's pronounced
14 Flodin.

15 Q. I think you're correct.

16 A. 1987. And Flodin found a marginally
17 significant increase relative risk of 2.1 for engine
18 exhaust. And the 95 percent confidence interval was
19 from 1.2 to 3.9. So that was very significant. But
20 the authors themselves point out that there may be some
21 confounding in their study. And certainly they would
22 like to see some additional studies, preferably with
23 some adjustment or control over potential confounding.
24 And the second study was done a few years
25 later published by Erickson and Carlson in 1992 from

93

< < NOGARA REPORTING SERVICE > >.

1 Sweden, as well, the same country. And that study was
2 twice as large as the first study. And they did not
3 find a significant increase for engine exhaust.

4 Q. But they nevertheless found an elevated
5 relative risk of 1.38. It might not have been
6 significantly significant, but it was elevated.

7 A. Well, the 1.38 was without any adjustment for
8 potential confounding. They presented two analyses,
9 one without adjusting for confounding. The other one,
10 adjusting for confounding, the relative risk was
11 reduced to 1.1.

12 Q. Going back to the Flodin study, you indicated
13 in your report on Page 3, the third line down the
14 sentence that begins, The authors further remarked.

15 A. Right.

16 Q. That no study on multiple myeloma as related
17 to engine exhaust has, to our knowledge, been
18 published.

19 Then you indicated up above that there was the
20 confounding factors that may have been involved that
21 the authors pointed out.

22 A. Right.

23 Q. Do you accept Flodin's confounding factors as
24 potential risk factors for the development of multiple
25 myeloma?

94

< < NOGARA REPORTING SERVICE > >

1 A. Based on the Erickson study, certainly that
2 seems to be the case. Because without adjustment for
3 confounding the risk ratio was 1.38. After adjustment
4 the risk ratio was reduced to 1.1. So there is some
5. indication of that.

6 Q. Let me find this article for a second, Doctor.

7 Doctor, look at Flodin for a second. Are you with me?

8 A. I have to find the paper.

9 Q. Okay. I thought maybe I lost you.

10 A. Okay. I have the paper.

11 Q. What are the confounders that the author in
12 the Flodin study were concerned about?

13 A. I'm trying to find the paragraph. Well, in
14 their study they find an increase related to engine
15 exhaust, creosote and fresh wood. And some of the
16 occupations would have exposure to all three. I think
17 that may be one of the confusing parts.

18 Q. In your opinion, then, would creosote, engine
19 exhaust and fresh wood be rich factors for the
20 development of multiple myeloma?

21 A. Well, in my report I talk about two of the
22 three things you mentioned. I think, looking at all
23 the studies we have today on diesel exhaust and
24 multiple myeloma, the literature is not completely
25 consistent. But certainly I would think that the

95

< < NOGARA REPORTING SERVICE > >.

1 weight of evidence would say that there is no
2 relationship between diesel exhaust and multiple
3 myeloma. And that's for diesel exhaust.

4 In terms of creosote, there are not a whole
5 lot of studies on the subject. There are only two or
6 three studies. And the findings from these two or
7 three studies are not consistent. So I would say at
8 this point we would not be able to say one way or
9 another in terms of creosote.

10 Q. If they're not risk factors then, Doctor, why
11 would you be concerned with the confounding effects of
12 those in any study?

13 A. I did not say that creosote is not. I just
14 don't know if it is or is not at this point.

15 Q. What about diesel exhaust, are you saying that
16 it is not a risk factor for multiple myeloma?

17 A. Based on other studies, I don't think it is.

18 Q. Now, when you made your analysis of whether
19 diesel exhaust was or was not a risk factor for
20 multiple myeloma, did you go through the Bradford Hill
21 exercise?

22 A. Yes. One thing is we have quite a few studies
23 saying there is no connection and studies that say yes.

24 Q. Well, the studies that say there are no
25 connections are simply not statistically significant,

96

< < NOGARA REPORTING SERVICE > >.

1 isn't that true?

2 A. No, some actually report lower than unity, you
3 know, the risk ratio.

4 Q. So is it based on the lack of consistency
5 among the studies that you are unable to conclude a
6 relationship between diesel exhaust and multiple
7 myeloma?

8 A. More than that. We are also looking at the
9 quality of the study and how big the studies are. The
10 studies that are reporting an increase are based on
11 only a few cases.

12 For example, if you look at one of the larger
13 studies on people exposed to diesel emissions, which
14 would be the study done by NIOSH, okay, the first
15 author of that study is by the name Stern. S-t-e-r-n.
16 And I have put the citation in the report. They looked
17 at close to 5,000 cancer deaths among construction
18 operating engineers exposed to diesel. And their
19 result was they observed 73 cases of multiple myeloma
20 and expect 81 cases. And the risk ratio was 0.90.
21 That was a very large study. So I have to put more
22 weight on that study.

23 4 Q. But didn't the authors themselves say, Doctor,
24 that it's possible that malignant neoplasms were
25 underestimated because workers that left before
97

< < NOGARA REPORTING SERVICE > >.

1 retirement or death were not included in the analysis?

2 A. That may have an effect for either direction

3 of multiple myeloma, depending on if indeed there was

4 some cancer deaths missing, depending on what it is.

5 Q. Didn't the multiple myeloma have a longer

6 latency period than the normal neoplastic disease?

7 A. I'm not so sure that's true for all other

8 cancers.

9 Q. Would you agree with me that a longer latency

10 period regardless of whether it's longer than the other

11 neoplastics or not, if someone left the union and their

12 vital status was not accounted for in the cohort, that

13 you could be missing, as the authors suggest, neoplasms

14 that have a long latency period?

15 A. Yes. But at the same time if they also

16 missed, for example, prostate cancer, which also has a

17 long latent survival, then you would actually make the

18 statistic go the other direction. So, 1 mean, we can

19 criticize the study for missing -- potentially missing

20 some deaths. But we don't know the direction of bias,

21 if indeed, that's the case.

22 Q. Well, the authors criticized it themselves

23 saying that the malignant neoplasm -- it's possible

24 that malignant neoplasms were underestimated in this

25 study since workers who left the union before

98

< < NOGARA REPORTING SERVICE > >

1 retirement or death were not included in the analysis.
2 So they're basically saying themselves when they wrote
3 this that there's a possibility of underestimation of
4 malignant neoplasms, correct?

5 A. Well, right now the risk ratio is 0.9. Even
6 if they underestimate by some extent it's going to be a
7 long way before it would be elevated.

8 Q. Did you notice in the written materials that
9 Mr. Fannin cleaned his paintbrushes with gasoline and
10 he cleaned his hands with gasoline?

11 A. I read the deposition, yes. But what is your
12 question?

13 Q. That was my question. Did you notice that in
14 the deposition? That was my first question.

15 A. Yes.

16 Q. And did you review the literature on gasoline
17 and multiple myeloma?

18 A. I did. I thought that was the focus of my
19 November 25th report.

20 Q. What gasoline studies did you cite?

21 A. •The gasoline study that I have done.

22 Q. Which is petroleum workers, terminal workers
23 exposed to gasoline, the statistical reports submitted
24 to API?

25 A. One paper was published. One was reported to
99

< < NOGARA REPORTING SERVICE >

1 API. Yes. There are two reports.

2 Q. Did you rely on the technical report that you

3 did for API

4 A. Both of them, yes.

5 Q. in forming your opinions?

6 A. Yes.

7 Q. I'd like to have a copy of the technical

8 report that you submitted to API on gasoline, since

9 you're relying on that to state that gasoline does not

10 cause multiple myeloma.

11 A. I have to ask the lawyer to get it from API.

12 I'm not sure I can Xerox a copy of that and send it to

13 you.

14 MR. DAMICO: We'll check into it and see what we

15 can do.

16 MR. HARTLEY: Under the rules you know if he

17 relied on it I'm entitled to see it.

18 MR. DAMICO: I understand. He's telling me now

19 that he may not be able to give it to me without

20 approval.

21 THE WITNESS: I cannot make a copy. I think that

22 the report is copyright. So I don't want to just make

23 a copy.

24 MR. HARTLEY: a. Do you normally give out your

25 articles that are in press to other people to review,

100

< < NOGARA REPORTING SERVICE > >

1 Doctor?

2 A. Yes, I do.

3 Q. And did you give out your reports in press to

4 Dr. Bergosagel?

5 A. Yes. Some things are accepted. I don't mind

6 giving it out. Before acceptance by the Journal, then

7 I would not.

8 Q. When was your study on multiple myeloma and

9 benzene exposure accepted by the Journal of Regulatory

10 Toxicology and Pharmacology?

11 A. I think it was quite a while ago.

12 Q. How long is quite a while ago?

13 A. It must be accepted around June or July.

14 Q. Did you give a copy of that to Dr. Bergosagel?

15 A. I might have given a copy to him when I went

16 up to Canada to see him.

17 Q. You met with him?

18 A. Yes.

19 Q. What did you and he discuss?

20 A. Basically we talked about the potential of

21 writing paper, a position paper together, because he

22 is an oncologist and I'm an epidemiologist. We were

23 thinking about how to combine the two disciplines and

24 write a review article. And I told him about my paper

25 on the multiple myeloma paper based on epidemiologic

101

< < NOGARA REPORTING SERVICE > >

1 studies. And I told him to come up with some
2 oncological aspects of multiple myeloma so that we can
3 write a paper together.

4 Q. You're not telling me that out of the clear
5 blue sky you went to Ontario to meet with Dr.
6 Bergosagel to discuss whether the two of you were going
7 to write an article together?

8 A. No. My mother lives in Toronto and I was up
9 there to see my mother. And it just happens that he
10 lives in Toronto. In fact, I made the plan of going up
11 to see my mother a long time ago.

12 Q. How did you know of Dr. Bergosagel?

13 A. I have seen some of his writings on multiple
14 myeloma.

15 Q. Did you see him on the street corner and say,
16 "Hey, can we talk about writing an article together?"

17 A. No. No. No. I have seen his writings. He
18 has published on multiple myeloma.

19 Q. Did you discuss this case with Dr. Bergosagel?

20 A. No, I did not at that time. In fact, when I
21 went up to see him I don't think I was hired at all in
22 this case. That was before I got involved in this
23 case.

24 Q. When were you hired in this case?

25 A. That goes back to the first time was sometime

102

< < NOGARA REPORTING SERVICE >

1 in September, I think.

2 Q. Doctor, do you have your CV in front of you?

3 A. Yes.

4 Q. I want to look at a few things with you on

5 that.

6 A. Okay.

7 Q. On your CV you list 121 articles.

8 A. Yes.

9 Q. At least the one I have. Those articles, and

10 I'm concerned with the more recent ones, which ones

11 were rejected by the various journals?

12 A. Which ones were rejected?

13 Q. Yes. Which ones did you have to submit to

14 more than one journal to get published? Did you have

15 to submit your study on Risk of Acute Myeloid Leukemia

16 and Multiple Myeloma in Workers Exposed to Benzene to

17 more than the Occupational and Environmental Journal?

18 A. Well, that paper was stimulated by a paper

19 written by Paxton. P-a-x-t-o-n. Do you know who I'm

20 talking about?

21 Q. She works for API, doesn't she?

22 A. She used to work for API. She doesn't work

23 for API anymore.

24 Q. She works for petroleum companies now.

25 A. I don't know about that. I don't know about

103

< < NOGARA REPORTING SERVICE > >.

1 that. You can make any comments you want. She wrote a
2 paper on the updated analysis of the Pliofilm study and
3 published that in a journal called Risk Analysis.
4 That's really a journal for risk assessment.
5 I wrote a paper -- basically I pointed out
6 that we should separate AML from other leukemias for
7 analysis. And I believe they sent the paper out to
8 different people to review. And I remember I received
9 comments from three reviewers, one reviewer saying that
10 the data is too limited to talk about a dose response
11 analysis. And one paper said they had done analysis
12 similar to that. I think that person, based on what he
13 said, I think that was Kenny Crumb. Because he said he
14 had already done some similar analysis and reached
15 similar conclusions using a different approach. And
16 one analysis said, yes, that's the right way to look at
17 it.

18 But the editor came back and said that my
19 reanalysis separating the leukemia into different
20 groups would not offer sufficient new information and
21 suggested that I may want to reduce it to a letter or
22 something as comments. Which I don't want to do. So I
23 resubmit to the Occupational and Environmental
24 Medicine.

25 Q. So it was rejected by at least one journal?

104

< < NOGARA REPORTING SERVICE >

1 A. Well, I didn't go by what they suggest.

2 Q. That's not my question. Can you answer my
3 question? Was it rejected by a journal when you
4 submitted it for publication?

5 A. It was not accepted at the current form. They
6 wanted me to reduce that and I don't want to.

7 Q. How many journals rejected it or refused to
8 accept it in its current form?

9 A. I didn't send it to any other journal. The
10 second journal I sent it to was Occupational and
11 Environmental Medicine and it was accepted without any
12 changes.

13 Q. Were any of your other more recent articles
14 not accepted as written by other journals? Was the
15 reference No. 112, Cell-type Specific Leukemia Analyses
16 in a Cohort of 208,000 Petroleum Workers not accepted
17 in its original form by any journal?

18 A. No, we submitted it to the Regulatory
19 Toxicology. We didn't send it to anyplace else.

20 Q. It was published in Epidemiology?

21 A. What?

22 Q. It was published in Epidemiology, No. 112?

23 A. Oh, 112. Yes, 112 is an abstract of a
24 presentation we make. It's not a full paper. It is an
25 abstract. It is the same paper that we published 105.

105

< < NOGARA REPORTING SERVICE >

1 Do you see 105?

2 Q. Yes.

3 A. That is the full paper. That is the full
4 paper.

5 Q. Was that accepted originally by Regulatory
6 Toxicology and Pharmacology?

7 A. Yes

8 Q. Did you submit that to any other journal?

9 A. No.

10 Q. What about An Updated Cohort Mortality Study
11 of Workers at Northeastern United States Petroleum
12 Refinery, was that originally rejected or not accepted
13 by any journal?

14 A. I believe that was the original place that we
15 submit to.

16 Q. What about The Application of Meta-analysis in
17 Reviewing Occupational Epidemiologic Studies?

18 A. Oh, that one is a special article. That was
19 what they call metalogical papers. That was actually
20 at the invitation of the editor. So that being the
21 case, . i don't think they would invite us to write an
22 article and then reject it.

23 Q. What about 104, Risk of Acute Myeloid Leukemia
24 and Multiple Myelome in Workers Exposed to Benzene,
25 Occupational and Environmental Medicine. Was that

106

< < NOGARA REPORTING SERVICE > >r

1 originally accepted or rejected by any reviewer?

2 A. 1 think that was the one that we talked about
3 before, that I told you that paper was stimulated by
4 the paper written by Paxton. Her paper was published
5 in Risk Analysis and I submit to that and I got three
6 reviewers' comments.

7 One was, you know, critical in terms of the
8 sample size, which I cannot do anything about because
9 that was based on the NIOSH cohort. And one paper says
10 that, well, that analysis had been looked at
11 differently and we come to the same conclusion.
12 Therefore, that reviewer doesn't think the information
13 is that new. And the third one thinks it was quite
14 favorable. And the editor said, "if you shorten that
15 maybe we'll consider it." But I didn't want to do
16 that.

17 Q. In your review of the materials in this case,
18 did you determine whether Mr. Fannin was exposed to
19 petroleum products? Do you understand that term?

20 A. I understand that term. But that is not my
21 area of expertise. I am not in a position to determine
22 what he was or was not exposed to. Basically, I was
23 asked to look at epidemiologic data between certain
24 exposure and multiple myeloma. And that is my focus.

25 Q. Well, then, did you look at Linet's study of

107

< < NOGARA REPORTING SERVICE > >

1 the hundred patients in the Baltimore hospital which
2 found a relative risk of 3.3 for those exposed to
3 petroleum products?

4 A. Yes. Petroleum product can mean anything. 1

5. was not asked to look at petroleum products in general.

6 1 was asked to look at benzene in specific.

7 Q. Did you look at the Linet study?

8 A. Yes, I did.

9 Q. Did you include it in your report?

10 A. Yes, I did.

11 Q. Where?

12 A. Look at the reference.

13 Q. Which one?

14 MR. DAMICO: It's in the November 25th report, the
15 first page of the bibliography, the third from the last
16 article.

17 MR. HARTLEY: Q. Did you comment on Linet in your
18 paper that I just received today? That's why I'm.
19 asking, because I haven't had a chance to look at it
20 completely.

21 A. Hold on for one second.

22 MR. DAMICO: I know you're in a hurry. I'll shut
23 up if you want me to. But Page 3 he mentions it, the
24 second full paragraph. I don't know if it's mentioned
25 anywhere else.

108

< < NOGARA REPORTING SERVICE >

1 THE WITNESS: Yes. 1 mentioned Linet in the 1987
2 paper as one of the papers that suggests a number of
3 risk factors for multiple myeloma.
4 MR. HARTLEY: Q. Didn't Linet report a 3.3
5 . relative risk for petroleum products? And you did not
6 mention petroleum products in your potential risk
7 factors for multiple myeloma. My question is, why not?
8 A. Why not? Because those are lifestyles. The
9 things that I put down there are all lifestyle risk
10 factors. If you look at Page 3. None of that is
11 related to occupational exposure.
12 Q. You didn't put down any occupational risk
13 factors, did you, in any report?
14 A. Yes, on Page 6.
15 Q. Which one?
16 A. Under the subheading of the first report.
17 November 26th on Page 6 under the subheading on case
18 control studies of multiple myeloma, the very first
19 study is Linet 1987. She reports a risk ratio of 1.1
20 for benzene exposure.
21 Q. But she also reported a 3.3 relative risk for
22 petroleum products and you didn't mention that anywhere
23 in here?
24 A. Sir, 1 was asked to look at benzene exposure,
25 not petroleum products in general. If you want to make
109

1 a comment, make a comment.

2 Q. Which comment would that be? I didn't know I

3 made a comment.

4 A. No. If you want to make a comment on

5. petroleum products, you go ahead. That's not my focus.

6 Q. I understand. You didn't focus on paints and

7 solvents either, did you?

8 A. I have not been asked to do that.

9 Q. Did you do any calculations of Fannin's

10 cumulative exposure to anything that he was exposed to

11 that you have reviewed or that you had gleaned from

12 your review of the materials?

13 A. I have not.

14 Q. Are you going to testify at trial, Dr. Wong,

15 about solvents or paints and whether there's a

16 relationship between those two substances and multiple

17 myeloma?

18 A. No, I have not done any work on that for this

19 case. And I'm not in a position to testify on that.

20 Q. What about polycyclic aromatic hydrocarbons,

21 are you in a position to testify regarding whether they

22 are associated with multiple myeloma?

23 A. Well, let me specify or emphasize again. The

24 three things I looked at in this case are benzene,

25 creosote and diesel exhaust. Those are the three

110

< < NOGARA REPORTING SERVICE

1 things I will talk about, unless the lawyers ask me to
2 look into something else. If that's the case, I'm sure
3 they will let you know.

4 Q. Did you forward any written correspondence to
5 Dr. Bergosagel concerning this case?

6 A. No, I have never talked to him about this case
7 at all.

8 Q. Doctor, do you have an understanding of
9 whether there is benzene in solvents?

10 A. It depends on what kind of solvents you're
11 talking about.

12 Q. How about mineral spirits?

13 A. If there is, it may be a very small percentage
14 as contaminants. You have to look at the specific
15 product.

16 Q. Your study on -- back on your CV, No. 58, Wong
17 and Ragland.

18 A. Yes.

19 Q. I'm looking at publication No. 88. Was it
20 ever published?

21 A. That is so old I lost track of that. I guess
22 we never changed the word processor. We didn't change
23 that.

24 Q. Cooper and Wong, No. 52, A Study of Mortality
25 in a Population of Nickel Miners and Refinery Workers
111

< < NOGARA REPORTING SERVICE > >.

1 submitted in '88. Was that ever published?

2 A. To be honest with you, I don't know what Dr.
3 Cooper did with that paper. He's retired now. So I
4 don't know exactly what he did with that paper.

5. Q. No. 62, Epidemiology, Toxicology and
6 Quantitative Risk Assessment, An Integrated Approach,
7 submitted for publication. Was it ever published?

8 A. We submitted it and then things changed so
9 rapidly in terms of risk assessment that I decided to
10 kind of take it back and maybe revise that.

11 Q. Did you ever revise it?

12 A. No, because risk assessment is really within
13 the last five, ten years, become very, very broad.

14 Q. Did the journal reject it as it was written?

15 A. No, basically I just retracted it. There's no
16 point in publishing a paper that's not complete.

17 Q. 63, Wong, Whorton, Morgan and Gordon, Cause
18 Specific Mortality and Morbidity Among Paper Mill
19 Employees, submitted for publication. Was it ever
20 published?

21 A That may be a preliminary analysis of a paper
22 that we subsequently published later. Let me see. We
23 did publish a paper on paper mills. I think -- let me
24 try to -- do you have Publication 116?

25 Q. Yes

112

< < NOGARA REPORTING SERVICE > >

1 A. That may be the same paper, except with more
2 data, with more paper mills added to the project.

3 Q. Do you know whether it is or not?

4 A. I'm not definitely sure because the first one
5 was sometime ago.

6 Q. What about No. 88, Wong and Foliart,
7 Assessment of Lung Cancer Risk by Histologic Category
8 in Workers Exposed to Chlorinated Chemicals, submitted
9 for publication. Was that ever published?

10 A. That was too short. And I decided - we
11 decided to just leave it as a technical paper. I think
12 we submitted a technical paper to the Chemical
13 Manufacturers Association.

14 Q. 119, that was published, right, A Nested Case
15 Control Study of Leukemia.

16 A. 119? Okay. I have a more updated CV, so the
17 numbers may be slightly different. What was the title
18 of the paper?

19 Q. A Nested Case Control Study of Leukemia and
20 Multiple Myeloma in a Cohort of Land-based Terminal
21 Workers Exposed to Gasoline in the Petroleum Industry.

22 A. No, actually we were going to submit it, but
23 we needed to do a little more work.

24 Q. It said it was submitted for publication. Did
25 you withdraw it?

113

< < NOGARA REPORTING SERVICE > >

1 A. No. No. No. We thought we were going to
2 submit it, but we have to do more work. So we have not
3 submitted it at this point.

4 Q. Doctor, who pays for your time to write all
5 these papers?

6 A. Writing the papers themselves?

7 Q. Yes, sir.

8 A. No one.

9 Q. You just go out and write them yourself?

10 They're not funded by any agency or any association?

11 A. Sometimes if the paper is based on a project,
12 of course, the project most likely would be funded by
13 some group. And we would, you know, as a result of the
14 project we would have a technical report submitted to
15 the sponsor. And a lot of times we would, for lack of
16 a better term, cut and paste the longer technical
17 -report into a sort of manuscript and submit that for
18 publication. But the sponsors would not pay for
19 writing of the manuscript itself.

20 Q. Are you ever funded by a university or a
21 college? Have you ever been funded by a university or
22 college?

23 A. I don't think universities or colleges are in
24 the business of funding studies.

25 Q. Have you ever been, absent your time at

114

< < NOGARA REPORTING SERVICE >

1 Georgetown, after you left Georgetown, have you ever
2 been asked to be on the faculty of any university and
3 obtain grants to provide for your remuneration as a
4 professor or a visiting professor?

5 A. Well, I'm on the adjunct faculty of Tulane
6 University and I'm also a visiting professor at one of
7 the medical schools in Taiwan. But not being there
8 full-time it would be very difficult for me to apply
9 for research -- apply for research funding as a
10 university executive member.

11 Q. Does the adjunct professorship bestow any form
12 of money to you? Do you get paid for doing that?

13 A. They pay for my traveling expenses and living
14 expenses and so on. But basically I contribute my time
15 to the university.

16 Q. Where does your general income come from then
17 as Applied Health Sciences?

18 A. Well, the income, the majority would be coming
19 from doing research, doing projects.

20 Q. Well, for whom?

21 A For mainly the industry.

22 Q. Can you give me some examples?

23 A. Well, certainly. I have done work for API. I
24 have done work for paper product companies. I'm doing
25 a study for the National Stone Association. I'm doing
115

< < NOGARA REPORTING SERVICE > >

1 work for the International Lead and Zinc Research

2 Organization. 1 have done work for Mobil Oil Company.

3 I have done work for Chevron.

4 Q. Would you agree with me, Doctor, that if the

5 industry is not happy with the results of your reports

6 that they wouldn't ask you to come back again and do

7 another report for them?

8 A. You have to ask the industry.

9 Q. How much time have you spent in this case?

10 A. As I said, I was contacted the first time in

11 September -- 1 think in September they sent me a

12 package of materials to review. And 1 have identified

13 the material in my November 25th letter. You see that

14 on the first page. Basically I spent about a couple of

15 days looking through, reviewing the package. And then

16 in November they asked that I should write a report for

17 this case. And I think I spent maybe two to three days

18 doing some review and also writing the report.

19 Q. So how many hours do you think you have in

20 this, 40?

21 A. Well, roughly two days in October. Probably

22 about two to three days in November.

23 Q. About eight-hour days?

24 A. Yes, I'm talking about eight-hour days.

25 Q. Have you billed them for your time yet?

116

< < NOGARA REPORTING SERVICE > >

1 A. Yes, I have.

2 Q. Have you been paid?

3 A. I don't want to embarrass the attorney sitting
4 across from me at the table. They have not paid me.

5 MR. DAMICO: I was unaware of this glaring error
6 until early this morning or late this morning, I should
7 say.

8 MR. HARTLEY: Doctor, I don't believe I have
9 anything further.

10 MR. DAMICO: We'll read and sign.

11 MR.-HARTLEY: I'd like to get a copy of his
12 technical report to API.

13 MR. DAMICO: We'll get it.

14 MR. HARTLEY: Thank you.

15 **(Whereupon, the deposition concluded at 5:45**
16 **o'clock p.m.)**

17

18 OTTO WONG, Sc.D. F.A.C.E.

19

20

21 '

r,

22

23

24

25

117

< < NOGARA REPORTING SERVICE >

1 STATE OF CALIFORNIA)

)

2 COUNTY OF SAN FRANCISCO)

3 I, DONNA LEE BECKETT, a Certified Shorthand

4 Reporter of the State of California, duly authorized to

5 administer oaths pursuant to Section 2025 of the

6 California Code of Civil Procedure, do hereby certify

7 that

8 OTTO WONG, Sc.D. F.A.C.E.,

9 the witness in the foregoing deposition, was by me duly

10 sworn to testify the truth, the whole truth and nothing

11 but the truth in the within-entitled cause; that said

12 testimony of said witness was reported by me, a

13 disinterested person, and was thereafter transcribed

_ 14 under my direction into typewriting and is a true and

t - 15 correct transcription of said proceedings.

16 I further certify that I am not of counsel or

17 attorney for either or any of the parties in the

18 foregoing deposition and caption named, nor in any way

19 interested in the outcome of the cause named in said

20 caption.

21 Dated the 30th day of December, 1997.

22

234

E.I:.V

24 DONNA LEE BECKETT

CSR No. 3450 (California)

25

L 118

c < NOGARA REPORTING SERVICE > > ,

1

Otto Wong, Sc.D. F.A.C.E.

2 181 Second Avenue, Ste. 628

San Mateo, CA 94401

3

- Date: Tuesday, December 30, 1997

4 Re: Fannin vs. Norfolk & Western Railway

Deposition Date: Tuesday, December 16, 1997

5

Dear Dr. Wong,

6

Please be advised the original transcript of your

7 deposition is ready for your review.

8 You have 35 days from the date of this letter to read,
correct if necessary, and sign your transcript. It

9 will then be sealed and sent to the examining attorney
pursuant to the applicable law. You are not required

' • 10 by law to read and sign your deposition.

11 You may either come to our office to read and sign the

. original transcript, or you may contact your attorney

12 or the attorney who arranged for you to be present at

your deposition. If they have ordered a copy of the

13 transcript, you may review their copy and make

corrections by submitting, signing and returning the

14 attached form. If you choose to review your transcript

at our office, please call first to make an

15 appointment. Should you have any question regarding

these instructions, please call.

16

Sincerely,

17

18 NOGARA REPORTING SERVICE

130 Battery Street, Suite 580

19 San Francisco, California 94111

(415) 398-1889

20

21 cc: Aft counsel

Original deposition

22

23.

24

25 .

119

< < NOGARA REPORTING SERVICE >