

2

APPEARANCES

1
2
3 For the Plaintiffs:

4
5 Michael A. Pohl, Esq.
6 Gilpin, Pohl & Bennett
7 1300 Post Oak Blvd.
8 Houston, Texas 77056
9

10
11 For the Defendant:

12
13 Stephen S. Andrews, Esq.
14 Woodard, Hall & Primm
15 4700 Texas Commerce Tower
16 Houston, Texas 77002
17

18
19 Also Present:

20
21 Barbara West
22 Sue Morgan - Video Operator
23
24
25

NELL MCCALLUM & ASSOCIATES, INC.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Deposition of Dr. George Levinskas, taken on
May 27, 1987, at 1300 Post Oak Boulevard, Houston,
Texas, between the hours of 9:30 a.m. and 5:00 p.m.
before Wanda G. Kuhn, CSR No. 2007 and Notary Public in
and for the State of Texas.

1 COURT REPORTER: For the record, what
2 are your stipulations?

3 MR. POHL: We've got a stipulation
4 that applies to all the ones I was taking which is
5 basically we'll just do it by the Rules and we allow the
6 witness to read and sign if he wants to and we can use a
7 copy if the original is not filed by the time of trial
8 and that he can sign before any notary if you would like
9 to.

10 MR. ANDREWS: George, what we're
11 talking about, at the end the deposition the court
12 reporter will type up the questions and answers in a
13 little booklet form. And if you want to, she'll send it
14 to you and you can read it, making whatever corrections
15 you feel are necessary and then sign it before a notary
16 public. I think that will probably be a pretty good
17 idea, so why don't we do that. If you will send the
18 original transcript to me, I will see that he gets it by
19 the most direct way possible, with the further
20 stipulation that if the signed copy is not on file by
21 the time of trial, then they can use a copy of the
22 deposition.

23

24

25

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028421

5
1 VIDEO OPERATOR: This video
2 deposition is being taken in Cause No. B-84-1103-CA, and
3 is filed in the United States District Court for the
4 Eastern District of Texas, Beaumont Division. The style
5 of the case is Cecil Scott, et al. versus Monsanto
6 Company.

7 For identification purposes, the
8 video technician is Sue Morgan of the firm Executive
9 Service Group, and the certified court reporter present
10 today is Wanda Kuhn of the firm Nell McCallum and
11 Associates. Today's date is May 27th, 1987 and the time
12 is approximately 9:31 a.m.

13 We are here today to take the oral
14 and video deposition of the witness, Dr. George
15 Levinskas; and we are located at 1300 Post Oak
16 Boulevard, Houston, Texas.

17 We are now ready to begin the
18 deposition. Will counsel please state their appearances
19 for the record.

20 MR. POHL: My name is Mike Pohl, and
21 I'm one of the attorneys for plaintiffs.

22 MR. ANDREWS: I'm Steve Andrews. I
23 represent Monsanto.

24 VIDEO OPERATOR: Will the court
25 reporter please swear in the witness.

NELL MCCALLUM & ASSOCIATES, INC.

1 GEORGE J. LEVINSKAS,

2 being duly sworn, testified as follows:

3
4 EXAMINATION BY MR. POHL:

5 Q. Would you state your full name, please.

6 A. My name is George J. Levinskas.

7 Q. Dr. Levinskas, my name is Mike Pohl. And as I
8 indicated a moment ago, I'm one of the attorneys for the
9 plaintiffs in this lawsuit. Before we start the
10 deposition, I'd like to reach a couple of agreements
11 with you. First of all, I will wait until you finish -
12 your answers before I commence my next question. And by
13 the same token I'd like you to wait until I finish the
14 question before you start your answer. Can we have that
15 agreement?

16 A. Yes, sir.

17 Q. Likewise, I'd like you to agree to answer
18 orally or verbally to each and every question. That is,
19 do not answer by a shrug of the shoulders or a nod of
20 the head. Can we have that agreement?

21 A. Yes.

22 Q. Good.

23 Your deposition is being recorded today and
24 will be typed up in a booklet form, as Mr. Andrews has
25 explained to you before we started. Do you realize that

 NELL MCCALLUM & ASSOCIATES, INC.

1 the questions and answers that are made a part of your
2 deposition can be read into evidence at the time of
3 trial in this case?

4 A. Yes.

5 Q. Even though we are in rather informal
6 surroundings today -- that is, a conference room in my
7 offices -- your testimony is under oath and will have
8 the same force and effect as if you were testifying live
9 before a court and jury today. Do you understand that?

10 A. Yes, I do.

11 Q. Okay. I'd like for you to give me full and -
12 complete answers to each question that I ask. If
13 there's any question that you feel that you cannot
14 answer because you don't know, simply tell me that you
15 don't know. If there's any question that you do not
16 understand because I speak too fast or because the
17 question is inartfully worded or because there's a
18 distraction in the room or for any other reason, I would
19 appreciate your stopping me at that point and asking me
20 to clarify the matter so that we can be sure that you
21 only respond to those matters that you do understand.
22 Can we have that agreement?

23 A. Yes.

24 Q. Good.

25 Where did you receive your Ph.D.?

1 A. University of Rochester.

2 Q. And what was the subject matter of the degree?

3 A. Pharmacology.

4 Q. When did you receive the degree?

5 A. 1953.

6 Q. And where did you receive your master's degree?

7 A. I do not have a master's degree.

8 Q. Okay. Where did you receive your undergraduate

9 degree?

10 A. Wesleyan University.

11 Q. And in what year?

12 A. 1949.

13 Q. And what was the subject matter?

14 A. Chemistry.

15 Q. After you finished your education, what was

16 your first full-time employment?

17 A. I was in the Department of Occupational Health

18 at a graduate school of public health at the University

19 of Pittsburgh.

20 Q. And how long did you work there?

21 A. Five years.

22 Q. What did you do in that position?

23 A. I taught courses in toxicology and did some

24 research in the general field of toxicology.

25 Q. Okay. What was your next employment?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028425

1 A. The American Cyanamid Company.

2 Q. What was your job with American Cyanamid?

3 A. I was director of their toxicology laboratory.

4 Q. And would you give me the inclusive dates of
5 your employment by American Cyanamid?

6 A. 1953 to 1971. I'm sorry, 1958 to 1971.

7 Q. Tell me briefly what your specific job
8 responsibilities were at American Cyanamid as director
9 of toxicology.

10 A. With the exception of the drug division, we're
11 responsible for toxicity testing of all the other
12 products of the company.

13 Q. At American Cyanamid did you conduct
14 experiments on animals?

15 A. Yes.

16 Q. Did it have its own in-house toxicology
17 department where studies were done, for example, on
18 rats, mice, beagle dogs, Leghorn hens?

19 A. Yes.

20 Q. Okay. How large a department was that?

21 A. When I joined the laboratory, there were
22 probably 13, 15 people in it. At its peak height it was
23 probably close to 30 people, and then it was dissolved
24 and gradually went down to zero.

25 Q. Okay. And is that when you left the employment

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028426

1 of American Cyanamid?

2 A. Yes.

3 Q. Okay. Why was the toxicology laboratory at
4 American Cyanamid dissolved?

5 A. That was a management decision. I did not
6 participate in it.

7 Q. In addition to in-house toxicology studies, did
8 American Cyanamid contract with independent laboratories
9 for outside studies?

10 A. To a limited degree, yes.

11 Q. Okay. After leaving American Cyanamid in 1971,
12 how were you employed?

13 A. I went to the Monsanto Company.

14 Q. Do you remember the month?

15 A. July, 1971.

16 Q. What was your position when you were first
17 hired?

18 A. The formal title was Manager of Product
19 Evaluation.

20 Q. Who interviewed you?

21 A. Dr. Emmet Kelly, and Elmer Wheeler, and
22 Dr. John Speziale, and Bill Williams.

23 Q. What was your job assignment, and what were
24 your duties and responsibilities as manager of product
25 evaluation?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028427

1 A. It was to start a program in product
2 evaluation, a review of the status of most of the
3 company's products.

4 Q. And what would that entail? Would that entail,
5 for example, the toxicological studies?

6 A. At the time, no.

7 Q. Okay. Did it eventually?

8 A. Subsequently I did take over toxicology.

9 Q. Okay. How did your job as manager of product
10 evaluation relate to the toxicology department at
11 Monsanto or the group doing toxicological studies?

12 A. We were both members of the same department.

13 Q. And what is the name of that department?

14 A. It was the, at that time, the medical
15 department.

16 Q. All right. So under the medical department,
17 you would have one group for toxicology and another
18 group for product evaluation?

19 A. Yes.

20 Q. What other subgroups were there in the medical
21 department?

22 A. There would have been medicine, industrial
23 hygiene, and I guess you could call nursing a separate
24 group.

25 Q. And what was the responsibility of the medicine

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028428

1 subdepartment of the medical department?

2 A. Physicians concerned with the occupational
3 health of employees.

4 Q. Did they participate or play any role in the
5 work that you did in evaluating Monsanto products?

6 A. No direct role.

7 Q. All right. What about the industrial hygiene
8 subgroup of the medical department? What did they do?

9 A. They are responsible for assuring the safety of
10 the plant atmospheres -- I say atmosphere, the workplace
11 of Monsanto.

12 Q. Would that include the contact by workmen with
13 toxic chemicals, whether it be by inhalation, skin
14 contact, or other routes of exposure?

15 A. It could.

16 Q. Okay. What involvement did the industrial
17 hygiene subgroup of the medical department have with
18 your product evaluation group?

19 A. Practically none.

20 Q. Okay. And the other group was toxicology,
21 correct?

22 A. There was another toxicologist.

23 Q. Okay. How many people, in July of 1971, were
24 involved with toxicology in-house for Monsanto?

25 A. Just one.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028429

1 Q. Who?

2 A. Dr. William Hunt.

3 Q. At that time were any experiments being run
4 in-house by Monsanto?

5 A. No.

6 Q. Were there toxicological experiments being
7 conducted for Monsanto by independent laboratories in
8 the summer of 1971?

9 A. Yes.

10 Q. Okay. Who would have been in charge of those?

11 A. Dr. Hunt.

12 Q. And with regard to monitoring the studies that
13 were being conducted by Monsanto in the summer of 1971
14 by independent testing laboratories, who would Dr. Hunt
15 have reported to as to the status or progress of these
16 independent studies?

17 A. Either Dr. Wheeler -- Dr. Kelly or Elmer
18 Wheeler.

19 Q. In 1971 who was the head person of the medical
20 department?

21 A. Dr. Kelly.

22 Q. Emmet Kelly?

23 A. Emmet Kelly.

24 Q. Okay. When did you retire from Monsanto?

25 A. I am not retired. I'm currently employed by

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028430

1 Monsanto.

2 Q. Okay. What is your current title?

3 A. Senior toxicology consultant.

4 Q. And what is your job description?

5 A. Currently I take special assignments. I
6 attempt to provide an overview for consistency in
7 Monsanto operations, and I participate to some degree in
8 training of younger toxicologists.

9 Q. Now, between 1971 and today, tell me the
10 different positions you've held at Monsanto and the date
11 that you acquired each new position.

12 A. For practical purposes, while they're changes
13 in title, the functions did not markedly change. I went
14 from manager of product evaluation to manager of
15 environmental assessment and toxicology, to director of
16 environmental assessment and toxicology, and then to the
17 current title, senior toxicology consultant.

18 Q. When did you first become manager of
19 environmental assessment and toxicology?

20 A. I don't recall the precise date, but it was
21 probably '72 to '74.

22 Q. At that point did you assume direct
23 toxicological responsibilities for Monsanto?

24 A. Yes.

25 Q. And prior to that time, from 1971 until you

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028431

1 became manager of environmental assessment and
2 toxicology, you had devoted your attention to product
3 evaluation?

4 A. Predominantly.

5 Q. Okay. In product evaluation tell me more
6 specifically what it is that you did.

7 A. It was a program to look at new products or new
8 uses of existing products to attempt to anticipate
9 potential issues so that toxicity testing could be
10 initiated to investigate those issues.

11 Q. Did you investigate old products as well as the
12 new ones?

13 A. No.

14 Q. Who would have been responsible for testing old
15 or existing Monsanto products, during this same time
16 period?

17 A. The operating unit which was responsible for
18 the product, I would assume.

19 Q. What would have been the operating unit for
20 PCBs?

21 A. It was part of our industrial chemicals company
22 at that time.

23 Q. Okay. And they would have done their own tests
24 or sponsored their own tests?

25 A. They would have discussed with the medical

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028432

1 department the need for or the conduct of tests.

2 Q. Were there any tests ongoing for PCBs during
3 the time that you were manager of product evaluation,
4 that you knew of?

5 A. There were some studies on PCBs that were being
6 completed at contract laboratories that I heard of.

7 Q. What was your involvement with that, if any?

8 A. Virtually nothing.

9 Q. Okay. Well, this may be a part of the
10 deposition that we focus on more than other products
11 that you might have dealt with. You say virtually
12 nothing. To the limited extent that you had involvement
13 with ongoing PCB studies, what was that involvement?

14 A. Direct involvement was zero. In response to
15 questions that might have been put to me, I might have
16 expressed an opinion or something of that nature.

17 Q. By "express an opinion" did you review slides
18 or read reports that were submitted by independent
19 laboratories to Monsanto?

20 A. I do not read slides. I have reviewed reports.

21 Q. Okay. And is the reason that you don't read
22 slides is that you are not a pathologist?

23 A. That's correct.

24 Q. Okay. As a toxicologist are you skilled at
25 establishing the protocol for toxicological studies?

1 A. Yes.

2 Q. Okay. And are you skilled at preparing the
3 report of the conclusions of a toxicological study?

4 A. Yes.

5 Q. Okay. Very briefly, in layman's terms can you
6 tell the Court and jury that will hear this deposition
7 why a company like Monsanto sponsors toxicological
8 studies of a product like PCBs?

9 A. It's a desire to be informed of potential
10 health or environmental risks which may be associated
11 with use of a product.

12 Q. And after Monsanto would receive the results of
13 a toxicology study of one of its products like PCBs,
14 what use would Monsanto make of that information?

15 A. The information would be summarized and made
16 available to those who inquired about the product.

17 Q. So that if the government had a question or if
18 one of your users had a question about a particular
19 product, you could then use the information to tell the
20 user what effects that product might have on human
21 beings or the environment?

22 A. We would tell them what we knew about the
23 product based on the testing which had been done.
24 That's fact.

25 Q. Okay.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028434

1 Was there ever a point in time when you became
2 the person responsible for supervising the work of
3 independent testing laboratories who had contracted with
4 Monsanto to do toxicological tests of Monsanto's
5 products?

6 A. This began after the death of Dr. Hunt.

7 Q. Okay. And when was that, sir?

8 A. The end of '72.

9 Q. And was Dr. Hunt the person primarily
10 responsible for supervising the work of Industrial
11 Bio-Test Laboratories, Inc. prior to his death?

12 A. Yes.

13 Q. Okay. And you assumed that position after
14 Dr. Hunt died?

15 A. Gradually, yes.

16 Q. Okay. Well, in the interim between the time
17 that you fully assumed those responsibilities and the
18 time that Dr. Hunt died, who performed that task besides
19 yourself?

20 A. Elmer Wheeler.

21 Q. Okay. When was it again that you fully
22 undertook the task of supervising independent
23 laboratories such as Industrial Bio-Test?

24 A. Probably between the death of Dr. Hunt in '73,
25 '74.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028435

1 Q. Okay.

2 During the deposition when I refer to
3 Industrial Bio-Test Laboratories, Inc., I'll probably
4 use the shorthand rendition of IBT. Just so that we can
5 understand what we're talking about.

6 A. That's okay.

7 Q. Fine.

8 Tell the Court and jury in layman's terms why
9 it is important to have a protocol for a toxicological
10 study.

11 A. The major reason right now is that it is
12 required by regulations. A protocol is merely an
13 outline of what is proposed to do during a study. While
14 it is important to have a concept and an idea of what is
15 being done, it by no means is the overriding concern.

16 Q. Is the establishment of the protocol for a
17 toxicological study an important task in connection with
18 the overall completion of a successful toxicological
19 study?

20 A. I indicated that it's important to have a
21 concept of what one is attempting to accomplish, and
22 this is what the protocol sets out.

23 Q. And the protocol defines the way that the test
24 is to be conducted, does it not?

25 A. It depends on the depth of the protocol. It

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028436

1 may define features in detail or it may be a general
2 outline if it's a relatively routine test performed
3 regularly.

4 Q. Okay. You're familiar with the PCB tests that
5 were done at IBT, aren't you?

6 A. Yes, sir.

7 Q. Okay. And there's a lot of correspondence
8 going back and forth between you and IBT where you were
9 receiving copies of reports and you were writing letters
10 to IBT about the PCB studies, weren't you?

11 A. There is some correspondence. I would not
12 characterize it as a lot.

13 Q. Okay. And the tests that were conducted by IBT
14 on PCBs, were they the kind of routine type tests that
15 you are referring to; or are they some that do not fall
16 in the category of being simple routine type studies?

17 A. Since the tests were started before my time at
18 Monsanto, I would have to assume that they were
19 consistent with standards being practiced by the
20 industry generally.

21 Q. Okay. So the standards for the Monsanto PCB
22 studies at IBT were consistent with the standards for
23 the industry generally?

24 A. I believe so.

25 Q. All right. Now, in setting up the protocol for

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028437

1 a two-year chronic oral toxicity study on rats, for
2 example, in your experience is that typically the kind
3 of a study that would have a written protocol?

4 A. Not necessarily.

5 Q. Okay. What was Monsanto's policy in that
6 regard during the late 1960s and early 1970s?

7 A. I can't speak to their policy in the late 1960s
8 because I wasn't there.

9 Q. Okay. You didn't become familiar -- well, was
10 there any change in their policy in -- let me strike
11 that question and ask this:

12 In familiarizing yourself with your job and
13 your employment, did you discover that there had been
14 any marked change in Monsanto's procedures for
15 establishing the protocol of toxicological tests, say,
16 from 1968 to the summer of 1971?

17 A. As I assumed responsibility for toxicity
18 testing, I undertook to do it in the manner to which I
19 was accustomed. I did not go back to deliberately
20 compare and see whether my, quote, management style
21 differed or did not differ from past practices.

22 Q. And when would you have been responsible for
23 establishing the protocols for the studies of Monsanto's
24 products?

25 A. Probably well into the mid-Seventies.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028438

1 Q. Okay. And at the time that you performed that
2 function for Monsanto, was your standard of care in
3 establishing protocols at least consistent with the
4 minimum standards in the industry existing at that time?

5 A. They were at least consistent and I dare say
6 would have exceeded.

7 Q. Okay. Let's focus on the way that you thought
8 it proper to establish protocols for studies. Was it
9 your practice in, other than routine or simple studies,
10 to reduce the protocol for the study to writing?

11 A. We would have a written agreement as to what we
12 were attempting to do and how we would attempt to do it.

13 Q. Would it be fair to characterize that written
14 agreement as a protocol?

15 A. Yes.

16 Q. Would the protocol tell -- I'm sorry,
17 establish, for example, the length of the study?

18 A. If you mean duration, yes.

19 Q. The number of animals?

20 A. Yes.

21 Q. The dosage of the particular chemical to be
22 administered?

23 A. Yes.

24 Q. And the type of evaluation to be made?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028439

1 Q. Okay. And those were not the kind of things
2 that you would leave to chance, would they?

3 A. Those would be stipulated.

4 Q. All right. And those would be important
5 elements of a toxicological study, would they not?

6 A. Yes.

7 Q. Okay. And when you were responsible for
8 supervising toxicological studies, would it be important
9 to you to be sure that the independent testing
10 laboratory followed your protocol?

11 A. Yes.

12 Q. Would you have expected a person in charge of
13 an important segment of adhering to a protocol for a
14 particular study to report to you if there were
15 significant deviations from protocol?

16 A. Yes.

17 Q. When were the IBT studies of Monsanto's PCB
18 products concluded?

19 A. I believe it was the latter part of 1971.

20 Q. And what is your definition of concluded?

21 A. A final report which accurately reflects the
22 study has been issued.

23 Q. And your testimony is that the IBT studies of
24 the Aroclor products were concluded in 1971?

25 A. The animal feeding portions were concluded in

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028440

1 1971.

2 Q. But I think you told me just 30 seconds ago
3 that your definition of concluded was that the final
4 reports were submitted; is that correct?

5 A. That's correct.

6 Q. So I'm not asking you when the animals had
7 stopped being fed PCBs; I'm asking you when the studies
8 were concluded. I just want to be sure I have an
9 accurate understanding of your answer.

10 A. I can't give you a precise date. I suspect it
11 was somewhere about '73, '74.

12 Q. But by your definition, the conclusion of the
13 IBT studies of Monsanto's PCB products would have been
14 concluded whenever the final reports were finally
15 prepared and submitted; is that correct?

16 A. That's correct.

17 Q. Okay. Now, when did Paul Wright return to
18 Monsanto's employment?

19 A. It was the latter part of 1972.

20 Q. November?

21 A. That could be the date. I don't recall
22 specifically.

23 Q. Did you interview him for that job?

24 A. Yes, I did.

25 Q. Who made the decision to hire Paul Wright in

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028441

1 the fall of 1972?

2 A. I participated in it. And Elmer Wheeler.

3 That's about it.

4 Q. So you and -- is Elmer Wheeler a Ph.D.?

5 A. No.

6 Q. So you and Mr. Wheeler made the decision to
7 hire Paul Wright in 1972?

8 A. Yes.

9 Q. At what position was he hired?

10 A. I believe his title was the manager of product
11 toxicology.

12 Q. Okay. And during the interview did you discuss
13 his prior employment?

14 A. I was aware of it.

15 Q. Were you aware that he was a prior employee of
16 Monsanto?

17 A. Yes.

18 Q. And were you aware that he was an employee of
19 IBT?

20 A. Yes.

21 Q. And were you aware that he had been responsible
22 for portions of the studies of Monsanto's PCB products
23 while at IBT?

24 A. No.

25 Q. Were you aware that Dr. Wright was responsible

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028442

1 for all rats, mice, and dogs on which tests were
2 administered at IBT during the time that Dr. Wright was
3 employed by IBT?

4 A. No.

5 Q. What did you understand Dr. Wright to have been
6 doing at IBT while he was employed there?

7 A. Participating in toxicity tests quite similar
8 to what many other people do in contract laboratories.

9 Q. Okay. I use the word "independent laboratory,"
10 and you use the word "contract laboratory." Do they
11 mean about the same thing?

12 A. I would think they probably are synonymous.

13 Q. Okay. What is your understanding of the
14 meaning of the word "independent" in the context of
15 independent laboratory?

16 A. I have no particular thoughts on it.

17 Q. Well, would you agree or disagree with the
18 definition of arm's length?

19 A. I don't know your definition of arm's length.

20 Q. Well, the common -- I'm not -- well, let me
21 read to you from Webster's how they define independent
22 and see if you agree or disagree with Webster's
23 definition.

24 "Not looking to others for one's opinions or
25 for guidance in conduct."

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028443

1 Do you agree or disagree with that definition
2 of independent?

3 MR. ANDREWS: Let me interpose an
4 objection here. It's my understanding of
5 the witness's testimony that he said the
6 term independent and contract were
7 synonymous. You are certainly allowed to
8 a free range of an exploratory deposition;
9 but I don't see how, in view of that
10 testimony, an analysis of what Webster has
11 to say about independent is very
12 illuminating.

13 Q. (By Mr. Pohl) Do you agree or disagree with
14 the definition I just read to you for the word
15 "independent"?

16 THE WITNESS: In light of your
17 comments --

18 MR. ANDREWS: Well, I'm not
19 instructing you not to answer it. I'm
20 simply voicing my objection as to the
21 relevancy of the inquiry. If you want to
22 ask him some direct questions about the
23 nature of the relationship between
24 Monsanto and any of its contract
25 laboratories, I think that would be a lot

1 more germane. But if we want to go down
2 this trail for a while, that's fine.

3 He's asking you about whether or not
4 you agree with the definition of
5 independent in Webster's dictionary. What
6 that has to do with this inquiry is
7 problematical, but you are free to answer
8 it if you want to.

9 A. May I ask you to repeat the question?

10 Q. (By Mr. Pohl) Sure.

11 A. And may I ask that if there are, as Webster's
12 generally has, two or three definitions, may I get them
13 all at once?

14 Q. Oh, sure. I'll be happy to show it to you. I
15 was only going to ask you one question and take about a
16 minute. You may look at them. They are highlighted in
17 yellow at the bottom of the page. I just want to be
18 sure we're talking the same language in the deposition
19 because I want to ask you a number of questions about
20 IBT.

21 A. Well, one of the capitalized phrases under
22 independent is "self-governing," and I think that
23 defines what I call a contract laboratory. Their use of
24 the term independent may be a offsetting of the fact
25 that it is not a Dow or a DuPont or a Carbide or a

1 Monsanto laboratory. But I think the capitalized phrase
2 in the definition "self-governed" is appropriate for an
3 independent laboratory.

4 Q. Okay. So self-governing would be one of the
5 definitions of independent that you would adopt?

6 A. Yes.

7 Q. Would you also adopt the definition that
8 appears just before that in the document I've shown you
9 which is "not subject to control by others"?

10 A. In a financial sense, yes.

11 Q. Okay. In what sense, then, can an independent
12 testing laboratory be subject to the control of its
13 customers like Monsanto?

14 A. I don't believe it is subject to control of its
15 customers.

16 Q. Okay. So the way that it works in your
17 business is that if there's an independent testing
18 laboratory doing work for a customer like Monsanto, they
19 shouldn't be controlled by Monsanto?

20 A. To the best of my knowledge, they are not
21 controlled by Monsanto or any other company.

22 Q. All right. And your understanding of the
23 relationship between Monsanto and IBT is that IBT was
24 not in any way controlled by Monsanto; is that correct?

25 A. That's correct.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028446

1 Q. Okay.

2 MR. POHL: Let's take about a five
3 minute break.

4 VIDEO OPERATOR: We're off the
5 record.

6 (RECESS TAKEN)

7 VIDEO OPERATOR: We've been off the
8 record for a brief moment. We're back on
9 the record. The time is now 10:11 a.m.

10 Q. (By Mr. Pohl) Dr. Levinskas, why was Paul
11 Wright hired by Monsanto in the fall of 1972?

12 A. It was decided that I needed assistance in the
13 environmental assessment review program and Paul was
14 suggested by Elmer Wheeler as a good former employee and
15 so he was rehired by Monsanto.

16 Q. And did Monsanto approach Dr. Wright and ask
17 him to leave his employment at IBT and consider joining
18 Monsanto?

19 A. I believe so.

20 Q. Okay. And was the one who first approached
21 Dr. Wright, Elmer Wheeler?

22 A. Yes.

23 Q. Okay. And what were the specific job duties of
24 Paul Wright when he was first rehired by Monsanto?

25 A. It was intended that Paul Wright would work

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028447

1 with me on the environmental reviews, environmental
2 assessment. Between making an offer to Paul and Paul's
3 appearance at Monsanto, Bill Hunt died. So Paul was
4 used as a utility player and started to take over some
5 of the audit functions that Bill Hunt had had.

6 Q. And Bill Hunt had been the one who had
7 previously made visits to the premises of IBT to liaison
8 with regard to ongoing Monsanto studies?

9 A. Yes.

10 Q. And one of the things that Paul Wright did
11 after he was hired by Monsanto was to coordinate with
12 IBT regarding Monsanto's ongoing studies with that
13 independent testing laboratory?

14 A. Yes.

15 Q. Okay. And for how long did Mr. Wright,
16 Dr. Wright continue in that position?

17 A. Up until approximately mid-1970s when Monsanto
18 opened its own testing laboratory.

19 Q. Do you recall more precisely when that was?

20 A. I believe the first people taking up residency
21 in the building were in about August of 1977. And it
22 was later in '77, early '80 that the lab became
23 substantially fully functional.

24 Q. Okay. Prior to the time that Monsanto's
25 in-house toxicological laboratory became fully

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028448

1 functional, was Monsanto still contracting with
2 independent laboratories for its toxicological tests?

3 A. Yes.

4 Q. Okay. After Monsanto's in-house toxicological
5 laboratory became fully functional, did Monsanto still
6 contract with outside laboratories for toxicological
7 tests?

8 A. Yes.

9 Q. When did Monsanto stop contracting with IBT for
10 toxicological tests?

11 A. I can't give you a specific date as to when the
12 last studies were placed there, but in the latter 1970s.

13 Q. Okay. Why?

14 A. For practical purposes, IBT had ceased to exist
15 as a contract laboratory.

16 Q. Okay. At any time before IBT ceased to exist
17 as a contract laboratory, did Monsanto make a decision
18 to discontinue use of IBT as an independent testing
19 laboratory?

20 A. I recall no conscious decision on that.

21 Q. All right. You recall no conscious decision to
22 stop using the services of IBT before IBT went out of
23 business?

24 A. That's correct.

25 Q. Okay.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028449

1 Now, we talked earlier this morning about
2 toxicology studies generally and about protocol. Once
3 the day-to-day administration of a test is --
4 toxicological test is completed and once the pathologist
5 has looked at the organs, the viscera, the slides, how
6 long does it typically take in your business, Doctor,
7 for the final report to be prepared in final form?

8 A. It could be days to months, depending on the
9 length and complexity of the study.

10 Q. Okay. So the time period in order to put the
11 final written report in place after the work on the
12 study is completed could be a range of days to months?

13 A. Yes.

14 Q. Okay. There are a series of deposition notices
15 that were submitted by the plaintiffs in this case, Dr.
16 Levinskis, that ask Monsanto to produce someone at this
17 deposition who could testify to quite a number of
18 things. Generally have you looked at those deposition
19 notices, and are you a fellow that can testify to all
20 the things stated therein?

21 MR. ANDREWS: Let me interject
22 something here. It's my understanding
23 that there are three deposition notices
24 that may have some applicability to this
25 witness.

 NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028450

1 MR. POHL: Right.

2 MR. ANDREWS: He has seen the
3 deposition notice that is the longest of
4 them having to do with the manager of the
5 toxicology laboratory or the supervisor of
6 the toxicology laboratory. And he has
7 gone over the areas of examination on that
8 notice. It's my understanding that the
9 notice that related to Mr. Wright's
10 personnel file and other matters was the
11 subject of a motion that has not been
12 ruled on. This witness has some knowledge
13 of Mr. Wright and you've already examined
14 him on some aspects of it but I want to
15 make it clear that he is not being
16 proposed as a 30(b)6 witness under that
17 notice. He is being proposed as a 30(b)6
18 witness under the notice that has to do
19 with the manager of the toxicology
20 laboratory. I also understand that there
21 is an individual notice naming Dr.
22 Levinskas personally; and, of course, he's
23 responding to that notice as well.

24 MR. POHL: Okay.

25 Would you mark this as an exhibit to

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028451

1 the deposition.

2 (EXHIBIT NO. 1 MARKED)

3 MR. POHL: And would you mark this as
4 an exhibit.

5 (EXHIBIT NO. 2 MARKED)

6 MR. POHL: Would you mark this.

7 (EXHIBIT NO. 3 MARKED)

8 MR. POHL: Mark that.

9 (EXHIBIT NO. 4 MARKED)

10 MR. POHL: Let's go off the record
11 for a second.

12 VIDEO OPERATOR: We're off the
13 record.

14 (DISCUSSION HELD OFF THE RECORD)

15 VIDEO OPERATOR: Back on the record.

16 MR. POHL: I'd understood from Joe
17 Blanks that the matter regarding the one
18 notice that y'all had moved to quash had
19 been resolved by agreement and that y'all
20 were going to produce everything that was
21 required in there, including Dr. Wright's
22 personnel file. And the only thing you
23 didn't have to produce was any studies --
24 or all the studies that were redone on the
25 various products tested by IBT. And there

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028452

50

1 is a letter from Joe to Walter generally
2 discussing that. Let's save that until a
3 break, and we can talk about if there's
4 going to be any problem. I'm not sure
5 there is because I got, shortly after
6 that, two or three other folders of
7 documents from y'all and was told either
8 by Bob Hall or by Robert Jones that you
9 produced everything that was requested by
10 these various subpoenas. And if that's
11 the case, I don't have a problem; but if
12 it's the case that you are not going to
13 comply with one of them for some reason or
14 some other documents y'all know about that
15 I'm not getting that we need to talk
16 about, then I need to establish that on
17 the record. Maybe you could check your
18 office, and if there is anything else --

19 MR. ANDREWS: I'll tell you what I
20 know about it at this point. I think your
21 recitation of the facts is exactly
22 correct, and my only purpose in making the
23 comment on the report a moment ago was
24 that I didn't want you to misunderstand
25 that this witness was being proposed by

NELL MCCALLUM & ASSOCIATES, INC.

1 the company as a 30(b)6 witness pursuant
2 to that deposition notice relating to Mr.
3 Wright's personnel file. I think you're
4 right. I think there has been an
5 agreement worked out and the documents
6 called for under that notice have been
7 negotiated and have been transmitted to
8 you in accordance with the agreement
9 between Joe Blanks and Crawford.

10 MR. POHL: I just wanted to be sure I
11 had all the documents.

12 Q. (By Mr. Pohl) While Dr. Wright was employed by
13 Monsanto, were you one of the people designated as his
14 supervisor?

15 A. Yes.

16 Q. And did you do personnel evaluations of Dr.
17 Wright while he was an employee of Monsanto?

18 A. Yes.

19 Q. Okay. Who was it that Dr. Wright reported to
20 directly while he was an employee of Monsanto from '72
21 forward?

22 A. From '72 to about '78 he reported to me.

23 Q. And then after '78?

24 A. About 1978 he reported to Dr. Edward Paget.

25 Q. And what is Dr. Edward -- what was Dr. Edward

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028454

1 Paget's position at Monsanto at that time?

2 A. He was a member of the medical department, had
3 joined us some months earlier.

4 Q. And why was Dr. Wright's reporting to you
5 changed so that he was then reporting to Dr. Paget?

6 A. When the laboratory was established, Paul
7 Wright was the director of the laboratory, the
8 toxicology laboratory. Subsequently Dr. Paget assumed
9 responsibility for the laboratory, and Dr. Wright
10 reported to him.

11 MR. POHL: Mark that.

12 (EXHIBIT NO. 5 MARKED)

13 Q. (By Mr. Pohl) Dr. Levinskas, let me show you a
14 document that's been previously produced by your lawyers
15 in this case from the records of Monsanto marked 019722
16 and attached to your deposition as Exhibit 5. Let me
17 ask you to read that document and ask you a couple of
18 questions about it after you've read it.

19 Have you had a chance to review the document?

20 A. Yes.

21 Q. The document talks about the medical
22 department's attention to support the business group
23 efforts. As I understand it from the corporate
24 hierarchy that you told us about in the deposition this
25 morning, you were involved in a subgroup in the medical

 NELL MCCALLUM & ASSOCIATES, INC.

1 department; is that correct?

2 A. That's correct.

3 Q. And you were the manager of one of those
4 subgroups?

5 A. Yes.

6 Q. Okay. And tell me in your own words what the
7 medical department's attention to supporting the
8 business group's efforts were.

9 A. I have indicated our function is to develop
10 toxicity data, knowledge of the biological effects of
11 our properties, which can be made available to our
12 employees and to those who inquire about potential
13 effects of those products.

14 Q. And what is the group that's called the
15 business group? What does that mean to you?

16 A. In a sense each product or closely related
17 products would have its own business group.

18 Q. Can you explain that in a little more detail?

19 A. Well, we have a group that works on detergents,
20 detergent materials, so the detergent business group.
21 And the products that go in and around detergents would
22 be part of that detergents business group.

23 Q. I see. And so would one of the groups be
24 functional fluids, for example?

25 A. Functional fluids would be a business group.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028456

1 Q. What group at Monsanto -- what group was
2 responsible for PCBs?

3 A. I believe it was the functional fluids group.

4 Q. Okay. The memo goes on to talk about PCBs in
5 foods and the environment. The type of tests that you
6 did in your position at Monsanto, did you perform any
7 tests of PCBs in foods?

8 A. I did not perform tests of PCBs in foods.

9 Q. Or supervise anyone else who did?

10 A. I did not supervise those studies.

11 Q. What did it mean PCBs in foods? What is that
12 referring to?

13 MR. ANDREWS: May I look at the
14 document just for a second?

15 MR. POHL: Sure.

16 MR. ANDREWS: Would you like to look
17 at the document or have it available for
18 your testimony?

19 THE WITNESS: Well, the statement
20 says that various regulatory agencies,
21 FDA, EPA, NIOSH, National Institute of
22 Occupational Safety and Health, will
23 conduct hearings on various aspects of the
24 PCBs in foods and the environment. It
25 would be fair to assume that those

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028457

1 agencies were going to conduct a hearing
2 to see whether there was a question or
3 what issues might be involved that could
4 relate to PCB in foods and the
5 environment.

6 Q. (By Mr. Pohl) Okay. While you were at Monsanto
7 from the summer of 1971 to date, have there been any
8 studies at Monsanto to determine if PCBs exist in foods?

9 A. I am not aware of specific analyses on food
10 stuffs that Monsanto has done on PCBs.

11 Q. Okay. The last sentence of the memo 019722
12 says that: "We will have to do much more epidemiology
13 in the future although clear-cut conclusions are almost
14 impossible."

15 What epidemiology is the memo referring to, if
16 you know?

17 A. Well, it says, "Questions from outside Monsanto
18 about the long-term health effects of chemicals used in
19 two Monsanto plants have required organization of
20 epidemiological studies."

21 The chemicals are not specified, but what it
22 says is that to do an epidemiology study we will have to
23 get records and so forth and then it goes through some
24 of the details of it. And we will have to do more of
25 those in the future. And he says that although

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028458

1 clear-cut conclusions are almost impossible is that
2 epidemiological studies, by virtue of their limitations,
3 do not yield conclusive results very readily.

4 Q. Were the epidemiological studies referred to in
5 that memo conducted by Monsanto?

6 A. I don't know which ones are being referred to.
7 I would -- I don't know if they've been done or not.

8 Q. Okay. If they had been done, would it have
9 been something that would have been either conducted by
10 or supervised by the medical department for which you
11 worked?

12 A. They would have been under the medical
13 department, yes.

14 Q. Do you have any knowledge of such
15 epidemiological studies being done by Monsanto on its
16 workmen who might have been exposed to PCBs?

17 A. I believe we did a feasibility study to see if
18 it was feasible to do an epidemiological study on PCB
19 workers.

20 Q. All right. So what Monsanto did was do a study
21 to determine if they should do an epidemiological study
22 of the workmen exposed to PCBs?

23 MR. ANDREWS: I object to that
24 question. That's not what he just said.
25 He said they did a feasibility study.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028459

1 Q. (By Mr. Pohl) Well, what does a feasibility
2 study tell you?

3 A. If I might paraphrase your question, you said
4 "should." The feasibility study is to see if one could;
5 not should, but could, whether there were adequate --
6 there was adequate information to give some probability
7 of getting some sort of conclusion.

8 Q. Okay. And what was the results of Monsanto's
9 feasibility study?

10 A. I am not sufficiently familiar with the
11 epidemiology work to comment on it.

12 Q. The memo is addressed to the corporate
13 administrative committee. What is that committee?

14 A. That is a committee of the Monsanto, overall
15 Monsanto Company. And its membership I do not know at
16 the time, but it would be senior management personnel.

17 Q. So at least as of September of 1975, matters
18 pertaining to PCBs were being reported to the company's
19 senior management committee?

20 A. That memo was sent to them, yes.

21 Q. Was any law --

22 MR. ANDREWS: Just before we get off
23 that exhibit, it's a small matter but I
24 would like to state for the record that we
25 would object to the use at trial of any

NELL MCCALLUM & ASSOCIATES, INC.

1 exhibits that have highlighting,
2 highlighting or interlineations that were
3 put on by the lawyers for the plaintiffs
4 or anybody other than the authors of the
5 memo. I don't know whether you intend to
6 introduce that or not, but I just want to
7 make sure I didn't waive it.

8 MR. POHL: That's fine. I appreciate
9 that. And there are a number of documents
10 that I've made some highlights on, but
11 copies that are as clear or clearer of
12 this have been produced by Monsanto. And
13 the various lawyers involved in the case,
14 I believe, have reached or dictated the
15 other day something in the record about
16 proving up these various business records
17 of Monsanto. And we intend to use those
18 copies.

19 Q. (By Mr. Pohl) Was a log kept at Monsanto of
20 visits by Monsanto employees to IBT in connection with
21 the tests that were being conducted by IBT for Monsanto?

22 A. No.

23 Q. Okay. Was there a policy or just generally
24 accepted procedure at Monsanto from the time that you
25 were employed in '71 through the time that Monsanto quit

NELL MCCALLUM & ASSOCIATES, INC.

1 using IBT as to how frequently Monsanto should visit the
2 facilities of IBT?

3 A. No.

4 Q. Okay. Do you have a recollection of how
5 frequently Monsanto would have visited the facilities of
6 IBT?

7 A. No.

8 Q. Okay. Do you have a recollection of how
9 frequently IBT representatives would have come to the
10 facilities of Monsanto at St. Louis?

11 A. No.

12 Q. Okay. Do you know about trips between
13 employees of Monsanto and employees at IBT to various
14 cities for seminars or to Europe?

15 A. I know of a few to the U.S. I'm not aware of
16 any to Europe.

17 Q. Okay.

18 Dr. Levinskas, there are a number of items of
19 correspondence between Monsanto and IBT before you
20 joined the employment of Monsanto. Did you review those
21 documents at any time during the course of your
22 employment at Monsanto?

23 A. No.

24 Q. Okay. In commencing your interaction with IBT
25 did you go back and review, for example, Monsanto's

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028462

1 correspondence file on its relationships with IBT?

2 A. No.

3 Q. Okay

4 When did you first become involved with the
5 product manufactured by Monsanto which we have referred
6 to in the depositions as PCBs?

7 A. Again I can't give a precise date but probably
8 in the latter part of 1971.

9 Q. Okay. And why was it that you became involved
10 with the product known as PCBs?

11 A. Dr. Kimbrough at the Center for Disease Control
12 had done some studies on PCBs; and since I knew her, I
13 was asked to call her and discuss those studies.

14 Q. Okay. Was that her -- which of her studies are
15 you referring to now?

16 A. These are the studies on Aroclor 1260.

17 Q. All right. She also did some studies on
18 Aroclor 1254?

19 A. I do not believe she did.

20 Q. What type of studies did she do on Aroclor
21 1260?

22 A. She had done a feeding study in rats for
23 several months and she had found a bladder tumor, the
24 diagnosis of which was uncertain, and she had referred
25 it -- had it reviewed by several people in an attempt to

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028463

1 confirm the diagnosis of that particular bladder tumor.

2 Q. And this occurred in 1971?

3 A. I believe it was the latter part of '71.

4 Q. Okay. And what function did you perform in
5 conferring with Dr. Kimbrough about her findings?

6 A. I called her to inquire about more information,
7 what additional information could she provide regarding
8 that particular study in those animals.

9 Q. Okay. And what was your conclusion after
10 having performed that task as to the existence or
11 nonexistence of bladder tumors in rats fed Monsanto
12 Aroclor 1260?

13 A. I didn't draw any particular conclusion. I
14 passed the information on to others and let it drop.

15 Q. Okay. What information did you pass on to
16 others?

17 A. I can't recall specifically, but I -- I think I
18 summarized the conversations in a couple of memos. I
19 can't recall specifically.

20 Q. Whatever it is that you found after performing
21 this task you reduced to writing in a memo and forwarded
22 it on to somebody else in the company?

23 A. Yes.

24 Q. Okay. And were there further studies done by
25 Dr. Kimbrough on Sherman strain rats on Aroclor 1260?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028464

1 A. Yes.

2 Q. Okay. Did have you any interaction with her
3 regarding these further studies?

4 A. Not until they were completed.

5 Q. Would that have been sometime in 1974?

6 A. That would be about right.

7 Q. And was that the time that she found liver
8 damage in the rats that she had tested with Aroclor
9 1260?

10 A. In the female rats, yes.

11 Q. Okay. And so in 1971 you knew that
12 Dr. Kimbrough had found bladder problems with rats fed
13 Aroclor 1260, and then in the subsequent study of rats
14 fed Aroclor 1260 she had also found liver problems?

15 A. Those were statements she had made.

16 Q. All right. And that's information that you
17 obtained as an employee of Monsanto?

18 A. Yes.

19 Q. And you passed that along to your superiors at
20 Monsanto?

21 A. Yes.

22 Q. And with regard to the second study to which
23 I've now made reference that was concluded in 1974 by
24 Dr. Kimbrough and found liver damage in rats fed Aroclor
25 1260, did you also reduce your report of that to

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028465

1 writing?

2 A. There were several contacts with Kimbrough
3 regarding that study, and I believe they were all
4 reduced to writing.

5 Q. Did you have others review her slides?

6 A. Yes.

7 Q. Okay. Just like you had done on the bladder
8 study?

9 A. Just like she did on the bladder study.

10 Q. Okay. Who had others review the slides
11 pertinent to the liver damage that she observed in the
12 rats fed Aroclor 1260, her or Monsanto?

13 A. I'm not sure I understand your question. Which
14 slides are you talking about and --

15 Q. On the liver slides.

16 A. Are you talking about Dr. Kimbrough's liver
17 sides.

18 Q. Right.

19 A. I do not know who she had -- who else she had
20 review them. We did ask them to be reviewed by people
21 from our side.

22 Q. And who did you -- who were the people that you
23 had review them from your side?

24 A. We had the two pathologists at IBT who had done
25 the original work for Monsanto on 1260 and a pathologist

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028466

1 referred to us from the Eppley Institute for Cancer in
2 Omaha, Nebraska.

3 Q. Was that Dr. Pour?

4 A. Dr. Pour.

5 Q. Did you also have Ward Richter review those
6 slides.

7 A. He was one of the IBT pathologists, yes. I
8 said we had the two IBT pathologists.

9 Q. And the other was Donovan Gordon?

10 A. Don Gordon, yes.

11 Q. Okay. Was it your understanding at the time
12 that Ward Richter was an employee of IBT?

13 A. I understood he was a consultant pathologist or
14 retained to do pathology work but not a full-time
15 employee.

16 Q. Okay. So Donovan Gordon was an employed
17 pathologist by IBT, Ward Richter was a consultant to
18 IBT, and then Monsanto retained someone else, and all
19 three of these people reviewed the Kimbrough slides
20 resulting from her study of Aroclor 1260 completed in
21 1974?

22 A. Yes.

23 Q. Okay.

24 MR. POHL: Would you mark that.

25 (EXHIBIT NO. 6 MARKED)

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028467

1 (EXHIBIT NO. 7 MARKED)

2 VIDEO OPERATOR: Excuse me just a
3 moment. We're off the record.

4 (DISCUSSION HELD OFF THE RECORD)

5 VIDEO OPERATOR: This is the
6 continuing deposition of Dr. George
7 Levinskas. The time is 10:51 a.m. We've
8 been off the record for a short break. We
9 are back on the record.

10 Q. (By Mr. Pohl) Dr. Levinskas, you've had an
11 opportunity to look through Exhibits 6 through 15 during
12 the break, have you not?

13 A. Yes.

14 Q. Okay. And you read these documents?

15 A. Yes.

16 Q. Okay.

17 Exhibit No. 6 is a letter dated October 13,
18 1971 or, more precisely, a memorandum. It has your
19 signature -- it has your name typed at the back, but it
20 has some initials signed to it. Is that your way of
21 signing an interoffice memo?

22 A. Yes.

23 Q. Okay. You recognize those initials as being
24 your own?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028468

1 Q. This document, Exhibit No. 6 to your
2 deposition, refers to a conversation with Renate D.
3 Kimbrough, a pathologist at the EPA, regarding bladder
4 tumors in rats fed Aroclor 1260. Is that the
5 conversation that you referred to earlier this morning
6 in your deposition?

7 A. Yes.

8 Q. In the first paragraph of the letter it refers
9 to a rat fed Aroclor 1260 that died after six months and
10 was diagnosed as having a malignant anaplastic carcinoma
11 of the bladder. What is that, Doctor?

12 A. It is a type of cancer. These terms refer to
13 the specific diagnosis.

14 Q. Okay. What kind of cancer is a malignant
15 anaplastic carcinoma of the bladder?

16 A. Malignant is one that has a metastasis or is
17 likely to spread. Anaplastic is a reference to the
18 appearance of the sections, the cells in the tissue.
19 And the carcinoma, obviously, is cancer.

20 Q. All right. So in connection with the 1971
21 study on Aroclor 1260, one thing that Dr. Kimbrough
22 found and communicated to you was the fact that Aroclor
23 1260 caused a malignant cancer; is that correct?

24 A. No. She reported she found a malignant cancer
25 in a rat that had been fed Aroclor, but she did not

1 attribute causation to it.

2 Q. Okay. It notes in your memorandum, Doctor,
3 that Dr. Kimbrough was trying to analyze the Aroclor
4 1260 sample which she had for impurities. What
5 impurities was she talking about?

6 A. I do not recall specifically. That was her
7 comment to me.

8 Q. Okay. Did you do any further investigation to
9 determine if there were any impurities in the Aroclor
10 1260?

11 A. No.

12 Q. Okay. Because you say in your memo, "I
13 indicated that we would try to track down material from
14 the sample that they had received." Did you do that?

15 A. Yes.

16 Q. Okay. And what did you find?

17 A. The sample that she used was from the same lot
18 or batch of material that was used in the Monsanto study
19 at IBT.

20 Q. That is that the Aroclor 1260 that was being
21 used by Dr. Kimbrough in her test was the same Aroclor
22 1260 that was being used by IBT in its tests?

23 A. Yes.

24 Q. Okay. Now, you go on to state in the last
25 paragraph of the first page that Dr. Kimbrough observed

1 porphyria in some rats with both compounds, speaking of
2 the Aroclor. First, what is porphyria, if you know?

3 A. Porphyria is the excretion of porphyrin in the
4 urine.

5 Q. What is the significance of a finding of
6 porphyria in rats fed the Aroclor product?

7 A. There are two separate parts. One is is it
8 related to the Aroclor, and the other is is it
9 significant.

10 Q. Okay.

11 A. I don't believe it has any particular
12 significance.

13 Q. All right. So the finding or the observation
14 by Dr. Kimbrough of porphyria in some of the rats fed
15 the Aroclor products was not a particular significance
16 to you when you wrote your memo in October of 1971?

17 A. No.

18 Q. Okay. Is it today?

19 A. No.

20 Q. Okay.

21 MR. ANDREWS: Doctor, let me
22 interject something. Mr. Pohl, of course,
23 is reading from your memo and you have had
24 a chance to review it. But if at any time
25 you would like to look at it again in

NELL MCCALLUM & ASSOCIATES, INC.

1 response to his question, I'm sure he'd be
2 happy to look at it -- or let you look at
3 it. Sometimes it's a little bit difficult
4 when the question is -- comes out of the
5 context of a longer memo; and anytime you
6 feel uncomfortable or you would like to
7 look at the entire memo, please ask him.

8 THE WITNESS: I will.

9 Q. (By Mr. Pohl) Certainly. And any time you
10 would like to look on with me, please do so.

11 In the final portion of your memo that you -
12 authored on or about October 13, 1971, you state -- let
13 me read this, and you can look on. "I told" and the
14 word is "here." I assume you mean "her," Dr. Kimbrough?

15 A. Yes.

16 Q. Okay. So, "I told her that because of the
17 chloracne and liver hazards, there had been medical
18 supervision of the employees."

19 First of all, whose employees are you referring
20 to?

21 A. That would be Monsanto employees.

22 Q. All right. And at least as of October 13,
23 1971, you were making a written reference to chloracne
24 and liver hazards as they affected employees of Monsanto
25 who were exposed to PCBs; is that correct?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028472

1 A. No, I'm saying there had been medical
2 supervision in the past. In the early days of
3 manufacture the literature contains references to the
4 induction of chloracne and liver injury from people
5 working with or around PCBs and other materials.

6 Q. And you were familiar with that hazard as of
7 October 1971?

8 A. Yes.

9 Q. And I take it from what you've just told me,
10 prior to October 1971 you had done some research of the
11 literature to see what hazards might result from
12 exposure to PCBs?

13 A. I had done some reading in the general
14 literature, yes.

15 Q. Okay. And when it talks about these hazards,
16 you are referring to hazards to human beings and you are
17 not making reference in this part of your memo to
18 hazards to animals; is that correct?

19 A. That's correct.

20 Q. Okay.

21 You go on to say, after noting chloracne and
22 liver hazards, that you would raise this point with
23 Drs. Kelly and Johnson for their review. Did you do so?

24 A. The liver and chloracne hazards were a
25 reference to older conditions. I mentioned there had

1 been those conditions reported in the early literature.
2 The thing I was going to mention to Kelly and Johnson
3 was the porphyria which she had -- I had referred to
4 earlier.

5 Q. Okay. Did you mention the porphyria to
6 Drs. Kelly and Johnson?

7 A. Yes.

8 Q. And were they both employees of Monsanto?

9 A. Yes.

10 Q. What was their comment to you when you raised
11 the porphyria finding by Dr. Kimbrough with them?

12 A. I do not recall any particular reaction to it.

13 Q. Do you recall any written exchange pertaining
14 to your communication of Dr. Kimbrough's findings of
15 porphyria to Drs. Kelly and Johnson?

16 A. No.

17 Q. Okay.

18 Did anyone at Monsanto do any further work or
19 any further investigation as a consequence of
20 Dr. Kimbrough's finding of porphyria in some rats fed
21 Aroclor products?

22 A. I do not know.

23 Q. You don't know of any?

24 A. I do not know if any work was done.

25 Q. Okay. Either by yourself or by Drs. Kelly or

1 Johnson?

2 A. I would not do work on people. That was a
3 medical issue, and I was not participating in it.

4 Q. Okay. So as to the part -- as to the area of
5 responsibility which you had, which was tests on
6 animals --

7 A. Yes.

8 Q. -- you didn't do or sponsor any studies to
9 further determine whether or not Aroclor products caused
10 porphyria in rats?

11 A. That's correct.

12 Q. Okay. And you don't know whether or not the
13 people responsible for human studies or for epidemiology
14 did any follow-up work to see if exposure to Aroclor
15 products might cause porphyria in human beings?

16 A. I do not.

17 Q. Okay.

18 And you mentioned several times in your answers
19 to my questions that the chloracne and liver -- the
20 chloracne and the liver hazards were something that had
21 been experienced in the past. Do you recall that?

22 A. Yes.

23 Q. All right. And are you trying to imply by that
24 that PCBs didn't cause that type of problem in 1971, if
25 human beings were exposed to it?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028475

1 A. The literature predating 1971 is very confused
2 on this subject. Some textbooks and references indicate
3 that PCBs did produce chloracne and liver injury.
4 Others indicate that they were mixtures of
5 polychlorinated biphenyls and chlorinated naphthalenes
6 and that the injury in chloracne may have been due to
7 the chlorinated naphthalenes which are notorious for
8 producing chloracne. So, depending on which textbook
9 you read and how that author excerpted the literature,
10 you could get a rather -- one of two conclusions.

11 Q. In your studies of the PCBs which you
12 commenced, you said, sometime in 1971, which included a
13 review of the literature, interchanges with
14 Dr. Kimbrough and other people you've already mentioned,
15 what did you conclude as to whether or not PCBs would
16 cause chloracne and liver hazards if human beings were
17 exposed to that product?

18 A. Under extreme conditions of exposure, PCBs can
19 produce chloracne.

20 Q. Okay. And what about the liver hazards that
21 you make reference to in your memo of October 13, '71,
22 what were your conclusions in that regard?

23 A. Again, under severe exposure conditions, there
24 could be liver injury.

25 Q. Okay. What type of liver injury could human

1 beings sustain under situations of severe exposure?

2 A. I'm not a good enough clinician to give a very
3 good description.

4 Q. Just in layman's terms so the Court and jury
5 would understand.

6 A. I would think they would have digestive
7 disturbances, pain in the region of the liver, would
8 probably lose weight.

9 Q. Okay.

10 In your studies and in your discussions with
11 people such as Dr. Kimbrough and others and in your
12 review of the literature, did you uncover any other
13 hazards to human beings that might result from severe
14 exposure to PCBs?

15 A. The -- basically that those directed to the
16 liver, the chloracne, the skin, other manifestations of
17 skin eruptions, swelling of the eyelids, distortion of
18 surface of skin, fingernail distortion. But things that
19 generally related to the surface of the body or the
20 body's surfaces, I don't believe there are any other
21 demonstrated consistent effects.

22 Q. These damages to the skin, to the surface of
23 the body that could be caused by the severe exposure to
24 PCBs, would that include skin cancer?

25 A. No.

1 Q. Okay. What, more specifically, would it
2 include?

3 A. There's a blackening of fingernails,
4 fingernails turn inward, loss of facial hair, head hair,
5 body hair. Apart from chloracne, a general out --
6 breakout of a rash, generalized skin rash, swelling of
7 the eyelids, probably some difficulty breathing because
8 of swelling of the nasal tissues, pigmentation,
9 darkening of the skin. Most of them are that's related
10 to the skin surface.

11 Q. And this was information that was available to
12 you and knowledge that was available to you in the fall
13 of 1971?

14 A. No. The latter information on the skin effects
15 was not available in 1971.

16 Q. The latter part of your answer that pertains to
17 the skin effects, when did that first become known to
18 you?

19 A. Following the Yusho incident in Japan.

20 Q. Okay. That was in 1968?

21 A. The information began filtering back into
22 English, it was in the mid-Seventies.

23 Q. Okay. And prior to Monsanto having received
24 information on the Yusho and, I guess, the Yuchang
25 incident as well, had Monsanto done any long-term,

1 chronic studies on PCBs?

2 A. The -- virtually all of the toxicity testing
3 was nearing completion when I joined Monsanto Company.

4 Q. Okay. And in your interaction with IBT, did
5 you ever determine when those tests had been commenced?

6 A. A series of tests was started probably in the
7 mid to latter 1960s.

8 Q. Okay. Did you do any investigation or
9 supervision to make sure that the tests conducted by IBT
10 of Monsanto's Aroclor products had been done strictly in
11 accordance with the protocol established by Monsanto and
12 IBT for the conduction of those tests?

13 A. No.

14 Q. Okay. Did you ever discuss that matter with
15 Dr. Wright when he was under your direct supervision?

16 A. No.

17 Q. Okay. Did you ever retest any of the Aroclor
18 products that had been tested by IBT?

19 A. No.

20 Q. Okay. In your letter to Morino L. Keplinger
21 dated October 28, 1971, which I've previously shown to
22 you and am showing to you again now, you say, "After Don
23 has finished his evaluation of the bladder sections,"
24 you are talking about the bladder sections in the rats
25 fed the Aroclor 1260?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028479

1 A. Yes.

2 Q. And who is Don?

3 A. That would be Don Gordon.

4 Q. Okay. Why did you send a copy of your
5 memorandum to Dr. Keplinger at IBT, to William
6 Papageorge, and Elmer Wheeler?

7 A. William Papageorge was the product manager for
8 the PCBs, and Elmer Wheeler was my immediate supervisor.

9 Q. Now, the -- what was it about Dr. Kimbrough's
10 findings in 1971 of bladder cancers in rats that had
11 been fed Aroclor 1260 that would cause you to make a
12 special trip to see her and to review her slides and to
13 take various people with you in performing that task?

14 MR. ANDREWS: Let me interject and
15 make an objection here. I think that's a
16 mischaracterization of Dr. Kimbrough's
17 study. She found a bladder cancer in a
18 rat. The witness has already testified
19 that she established no causal relation.
20 And by the phraseology of your question,
21 you have mischaracterized what he's
22 earlier testified to. She did not find
23 cancers.

24 Q. (By Mr. Pohl) Well, let me rephrase the
25 question, because I don't want to misstate it in any

1 way.

2 What was it about the finding of Dr. Kimbrough
3 that a rat that had been fed Aroclor 1260 developed a
4 liver cancer that caused you to travel to see her and
5 bring other people with you?

6 A. One was to determine, or to be informed, as to
7 what it is that she was talking about and to review our
8 slides to see whether or not a similar condition was
9 present in the studies that we had done.

10 Q. And by "the studies that we had done," are you
11 referring to the studies that Monsanto had sponsored
12 with IBT?

13 A. Yes.

14 Q. Okay. And did Monsanto conduct that comparison
15 between the results of Dr. Kimbrough's study in 1971 and
16 IBT studies on the same product?

17 A. We did not.

18 Q. Why not?

19 A. My recollection is that I flew to Atlanta that
20 day in the winter, and it was a bad day. We circled the
21 airport. We landed late. Atlanta was icebound. I
22 called Dr. Kimbrough. She said nobody else had showed
23 up. I was welcome to come to the laboratory if I
24 wished. I then proceeded to make reservations and to
25 fly back to St. Louis, and I got home 12 or 14 hours

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028481

1 after I left with nothing accomplished.

2 Q. All right. So the bottom line is that there
3 was never a comparison made between Dr. Kimbrough's
4 finding of a bladder cancer in one rat that had been fed
5 Aroclor 1260 and any test conducted for Monsanto by IBT
6 on the same product?

7 A. That's correct.

8 Q. Okay. And no one else at Monsanto, after you
9 wrote your interoffice memo dated October 13, 1971,
10 followed up on the issue of the bladder cancer
11 independently of you?

12 A. Not -- I don't recall any follow-up on this, as
13 a result of that aborted trip.

14 Q. Now, we talked a little bit earlier this
15 morning about another study conducted by Dr. Kimbrough
16 that was concluded sometime in 1974. Do you recall
17 that?

18 A. Yes.

19 Q. Okay. And you had various communications with
20 Dr. Kimbrough about that study, did you not?

21 A. I probably did, yes.

22 Q. Who is Robert A. Squire?

23 A. Dr. Squire is a pathologist who at the time
24 that memo was written was with the National Cancer
25 Institute.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028482

1 Q. Did he work with Dr. Kimbrough in her analysis
2 of the rats fed Aroclor 1260?

3 A. I believe he was one of the principal sources
4 she consulted in reaching her diagnoses.

5 Q. And the study that was concluded in 1974 dealt
6 with the liver in the rats who had been fed the Aroclor
7 1260?

8 A. Right. Female rats and the livers.

9 Q. And what were Dr. Kimbrough's findings that
10 resulted from her feeding of Aroclor 1260 to female
11 rats?

12 A. She concluded that 1260 produced -- when fed at
13 the high dosage she used, produced liver cancers in
14 female rats.

15 Q. Okay. And that result was communicated to
16 Monsanto?

17 A. Yes.

18 Q. Okay. And the task of investigating that was
19 assigned to you?

20 A. I was a participant in the attempt to resolve
21 or to understand the differences in the two findings.

22 Q. Okay. And who was in charge of that effort to
23 resolve the two findings at Monsanto?

24 A. I suspect the ultimate responsibility rested
25 with the department head.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028483

1 Q. Elmer Wheeler?

2 A. No, Dr. Kelly at that time.

3 Q. And were you the person who was in charge of
4 that investigation on a day-to-day basis?

5 A. I would not characterize it a day-to-day basis,
6 and I participated in a -- in some of it.

7 Q. Is one of the things that you did was have
8 Donovan Gordon and Ward Richter review Dr. Kimbrough's
9 and Dr. Squire's slides?

10 A. Yes.

11 Q. Did you accompany them on that review?

12 A. Yes.

13 Q. Did you actually look at the slides yourself?

14 A. No.

15 Q. Okay. Is that because you're not a
16 pathologist, and they are?

17 A. That's correct.

18 Q. Okay. And did you also discuss Dr. Kimbrough's
19 findings directly with her yourself?

20 A. Yes.

21 Q. Okay. Did you talk to her on the phone or in
22 person prior to the time that you actually went on the
23 trip wherein the slides were examined by Drs. Richter
24 and Gordon?

25 A. Well, I undoubtedly talked to her to arrange

1 the meeting. And the intent was that they would look at
2 the slides Monsanto had from its studies at IBT, and the
3 IBT pathologists would look at the slides that
4 Dr. Kimbrough had.

5 Q. And what was the conclusion of the IBT
6 pathologists, Drs. Gordon and Richter, upon their review
7 of Dr. Kimbrough's slides?

8 A. In general, the lesions that they described in
9 Dr. Kimbrough's slides were more advanced; and they
10 concurred that those were carcinomas. The lesions that
11 they had seen in the Monsanto-sponsored studies were
12 less advanced, and they did not regard them as
13 carcinomas.

14 Q. Okay. So in the rats fed Aroclor 1260 -- or
15 fed Aroclor by Dr. Kimbrough, the liver damage was more
16 advanced than in those rats fed the same Aroclor product
17 by IBT?

18 A. Yes.

19 Q. Okay. Now, what explanations did you consider
20 to explain that variance?

21 A. They used a different strain of rat, so the
22 source and origin of the animal was different. The
23 housing conditions, while superficially similar, would
24 differ. One was in Chicago; was one in Atlanta.
25 Despite air conditioning and such, there are variations.

1 The sources of feed and the contaminants or other
2 materials; the composition of the feed could vary. The
3 water supply is different. The subtleties of handling
4 the animals day in and day out and so forth could vary.
5 So there are a variety of potential distinguishing
6 features.

7 Q. Did you consider all those in trying to
8 reconcile the differences between the two studies?

9 A. We were trying to understand why there was a
10 difference in the two findings and could we come up with
11 some rational explanation for them.

12 Q. And after considering these various factors,
13 what rational explanation did you come up with, if any?

14 A. The fact is that we have two sets of
15 information which are at variance with each other.

16 Q. So they are just two separate and distinct
17 findings based --

18 A. Two pieces of information.

19 Q. That are inconsistent?

20 A. Yes.

21 Q. Okay. Now, let me ask you some questions about
22 that. How long had Dr. Kimbrough's rats been fed
23 Monsanto's Aroclor product, in connection with this 1974
24 study?

25 A. I believe they were fed just under two years,

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028486

1 something like 21, 23 months, and then held for about a
2 month or two and then sacrificed.

3 Q. Okay. So it would have been approximately a
4 24-month study?

5 A. For practical purposes, they were comparable.

6 Q. And what was the supposed duration of the IBT
7 study of its rats fed the same Aroclor product?

8 A. Twenty-four months.

9 Q. Okay.

10 I think you indicated a moment ago that the
11 subtleties and the handling of the animals, the source
12 of their feed and their housing could make a difference
13 in the result of the test?

14 A. Yes.

15 Q. And so that if two different groups of rats
16 were fed the same Aroclor products but one group was
17 handled differently than the other and one was housed
18 differently than the other and one received watering
19 differently than the other, that could result in a
20 variance?

21 A. Those are potential variants.

22 Q. Okay. Now, is one of the goals of a 24-month
23 chronic rat study to try to keep the rats on the study
24 for the full 24 months?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

1 Q. Okay. And is one of the reasons that you do
2 that is because that will expose the rodents to the
3 product being tested for the substantial balance of
4 their natural life?

5 A. Yes.

6 Q. Okay. What would be the effect on the exposure
7 of the animal to the product if one or more of the rats
8 had not been on the study for the full term? Let's
9 assume that some were only on the study for half as long
10 as the others. They were just accidentally started
11 late. How would that affect the results of one of the
12 studies?

13 A. It's difficult to speculate, because depending
14 on the postulates and what the outcome of the study is,
15 you could draw a variety of scenarios.

16 Q. Sure. Would one thing, one variable that is
17 logical be that if some of the animals were exposed for
18 a considerably shorter time than other animals on the
19 same study, then the effects of the chemical on the
20 animals exposed for the shorter term might be less?

21 A. If there is a correlation, a premise, between
22 dose and response, if the dose is smaller the response
23 should be lesser.

24 Q. Okay. And from all the studies that you've
25 told us about that you've done in your examination, PCBs

1 are the type of product that accumulate in the system,
2 are they not?

3 A. Yes.

4 Q. And that includes rats?

5 A. Yes.

6 Q. Okay. So the more a rat is fed or the longer a
7 rat is fed PCBs, the more that product will accumulate
8 in the system of the rat?

9 A. At certain low doses, that is correct.

10 Q. And why is that not correct at high doses?

11 A. A sufficiently high dose would kill the animal.
12 He might have less accumulation than he did at the
13 longer -- exposure at a low dose.

14 Q. Let's assume it's not at a level high enough to
15 quickly kill the animal, but the animal could
16 theoretically survive the full 24 months, if fed the
17 product. Are PCBs the kind of product that would then
18 accumulate in the body of the animal being tested?

19 A. Yes, but I don't know that they would
20 accumulate indefinitely.

21 Q. Okay. At some point, they would reach a
22 maximum level?

23 A. One would anticipate that.

24 Q. Did you ever find out what that maximum level
25 was in rats?

1 A. No.

2 Q. Okay. So far as you know, then, that rats fed
3 low to moderate doses of Monsanto's Aroclor products
4 would accumulate that product in their bodies over time?

5 A. Yes.

6 Q. And so, then, it's logical to conclude that
7 those animals exposed to the Aroclor products for a
8 shortened term, as compared to other animals, would have
9 less of a -- could have less of the product accumulated
10 in their bodies?

11 A. Yes.

12 Q. Okay. And that could affect the results of the
13 tests, when those same animals were studied at the end
14 of the test; is that correct?

15 A. There's a supposition, I believe, in that
16 statement that the accumulation in fat is somehow
17 correlated with or related to other effects; and I'm not
18 sure that is a correct premise.

19 Q. Dr. Levinskas, if you were conducting a
20 toxicological study of rats and it was to be a study of
21 Aroclor products and extend for 24 months, how long
22 would you want your rats to be on the study?

23 A. If I intended 24 months, it would be 24 months.

24 Q. Are you telling me you would find it acceptable
25 if some of the rats were started three, six, eight

1 months late?

2 A. I am not saying I find that acceptable, but I
3 don't know where your premise is coming from.

4 Q. All right. Would you agree or disagree with
5 the statement that if some of the rats on an Aroclor
6 study are started late, that that will or at least could
7 have an effect on the results of their exposure to the
8 Aroclor product?

9 A. If animals are started late, their response
10 could be expected to be less severe than those started
11 earlier, yes.

12 Q. Okay. And that could be one explanation,
13 theoretically, between the study done by Dr. Kimbrough
14 and the study conducted for Monsanto by IBT, could it
15 not?

16 A. I have no indication that that is a condition.

17 Q. Let's assume there's depositions already that
18 testified that rats generally were started late at IBT
19 and they were interchanged randomly and at irregular
20 times. Let's just assume that. If that occurred with
21 Monsanto's Aroclor studies, that could be one
22 explanation for a variance between the findings of
23 Dr. Kimbrough and the findings of IBT on the same
24 Aroclor product, could it not?

25 MR. ANDREWS: Before you answer that

NELL MCCALLUM & ASSOCIATES, INC.

1 question, Doctor, I'm going to object to
2 it on the basis that it calls for rank
3 speculation. Lawsuits are based on a
4 preponderance of the evidence. It's not
5 could, may be, if.

6 Second of all, the hypothetical
7 includes evidence that's not in the record
8 before this witness; and any response he
9 could possibly give to it would be rank
10 speculation and be at the level of
11 guessing. It's not probative and it's not
12 relevant and I object to it.

13 Q. (By Mr. Pohl) I'm just asking you to assume
14 those facts for purposes of this question, Doctor. Just
15 assume them to be true for the question. And what is
16 your answer?

17 A. Well, obviously, an animal fed one day with an
18 Aroclor would have a different response than an animal
19 fed for two years with Aroclor. I think that's
20 self-evident.

21 Q. All right. And an animal fed Aroclor for six
22 months or nine months as opposed to an animal fed the
23 same product for 24 months might have a different effect
24 on his body as a consequence of the exposure to that
25 same product; is that correct?

1 A. The evidence of the effect would be less
2 strong.

3 Q. Okay. If animals made part of the IBT study of
4 the same Aroclor products tested by Dr. Kimbrough were
5 administered the dosage of the Aroclor for a shorter
6 time than the rats tested by Dr. Kimbrough, that could
7 then be one explanation for the difference in the
8 findings between Dr. Kimbrough on the one hand and IBT
9 on the other?

10 A. In addition to the other possibilities I
11 mentioned, that would be a possibility.

12 Q. Okay. Now, how would the housing of the rats
13 used to test the Aroclor products possibly affect the
14 outcome of the test?

15 A. I can't give a specific answer; but there are
16 indications in the literature that the position on the
17 cage, whether you feed them ad libitum, let them eat to
18 their freest extent, or whether you restrict their food
19 intake, that these conditions on occasion have been
20 reported to influence the outcome of studies.

21 Q. So if you're going to do a proper toxicological
22 study and be as careful as you can be, you would want to
23 see that the housing and feeding of the animals was
24 controlled?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028493

1 Q. And you would want to see to it that it was
2 done in a proper and careful manner?

3 A. It was adequate for its purpose.

4 Q. Okay. And if you're reviewing a toxicological
5 study that was done by somebody on a product that you
6 were involved with and animals had been substituted
7 during the course of the study and that fact had not
8 been recorded in writing, if some of the animals' data,
9 such as body weight data, feeding data, or other data,
10 had not been accurately recorded and instances had been
11 fabricated and you learned that in connection with your
12 study, would you rely on the validity of that study, as
13 a toxicologist?

14 A. The confidence I have in the data would depend
15 on the quality from which it came, yes.

16 Q. Well, to put it more simply, Doctor, if you've
17 learned of facts which would indicate to you that the
18 validity of a particular toxicological study was
19 jeopardized, would you rely on that study?

20 A. I would want to confirm the information that I
21 had.

22 Q. Well, just assume with me for purposes of this
23 question that you had confirmed that there were events
24 which had occurred in connection with one of your
25 studies that jeopardized that study's validity, should

1 you as a professional toxicologist rely on that study
2 whose results had been jeopardized?

3 MR. ANDREWS: I object to that
4 question because of the use of the term
5 "jeopardize." It's vague; it's ambiguous.
6 The witness has already testified in
7 response to this question or similar
8 questions that it would depend on a lot of
9 other factors, including what the test was
10 designed to look for. I don't think it's
11 proper to ask him a question in such vague
12 and ambiguous terms and it's globally
13 presented and I object to it.

14 A. Since we're dealing with evaluations and
15 assessment of information, I think one would have to
16 take into account the totality of the information
17 available to properly assess the results of that
18 particular study.

19 Q. (By Mr. Pohl) Okay. I just want to
20 understand -- I want the Court and jury to be able to
21 understand, you don't know, then, today or can't answer
22 more directly whether you would rely on a study whose
23 validity had been jeopardized?

24 A. You would have to define whose validity had
25 been jeopardized.

1 Q. Okay. So without any further explanation, you
2 are not able to answer the question?

3 A. No.

4 MR. ANDREWS: Let's take about a ten
5 minute break, if we might, right here.

6 MR. POHL: Sure.

7 VIDEO OPERATOR: We're off the
8 record.

9 (RECESS TAKEN)

10 VIDEO OPERATOR: We've been off the
11 record for a short break. We're back on
12 the record. It's 11:45 a.m.

13 Q. (By Mr. Pohl) Dr. Levinskis, let me show you
14 Exhibit 10 to your deposition. And I've handed you this
15 document before to look at. It refers to a -- you're
16 referred to in the first sentence, second line of the
17 memorandum, are you not?

18 A. Yes.

19 Q. Okay. And it refers to a conversation between
20 you and Dr. Kimbrough?

21 A. Yes.

22 Q. Which study is that referring to?

23 A. The reference is to the NCI study on Aroclor
24 1254, and she is saying that she expressed the belief
25 that the National Cancer Institute had recently

1 contracted out a study on that material.

2 Q. And was NCI one of Monsanto's customers for the
3 purchase of Aroclor products?

4 A. I do not know the customer list.

5 Q. Okay. Well, did NCI use PCB products for its
6 carbonless paper?

7 A. I do not know whether they did or not.

8 Q. Okay.

9 It talks, in the last line of the letter where
10 it said as follows: "If this is the study he is
11 referring to, it could explain why we had not picked it
12 up sooner."

13 What was the procedure at Monsanto in 1974,
14 while you were employed there, to pick up such studies
15 that were being conducted by people other than Monsanto
16 and Monsanto's independent or contract laboratories?

17 A. One would be looking at the -- keeping abreast
18 of the literature, published technical literature. The
19 other, which are studies that the National Cancer
20 Institute was doing.

21 Q. Why did Monsanto want to be aware of anyone who
22 was testing one of its Aroclor products?

23 A. To avoid duplication of effort, to be aware of
24 what others were doing, what was happening.

25 Q. And would Monsanto attempt to monitor the

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028497

1 results of the other studies that were being conducted
2 on Aroclor products?

3 A. If by "monitor," you mean keep track of, yes.

4 Q. And would Monsanto audit or evaluate those
5 studies if the results were not in agreement with the
6 results that Monsanto was achieving based upon its own
7 studies or studies conducted for it by others?

8 A. Monsanto would find it very -- would have no
9 role in studies being conducted by others.

10 Q. Well, for example, in two studies we've already
11 talked about today by Renate Kimbrough, you and others
12 went and reviewed or critiqued her studies, correct?

13 A. We sought further information, additional
14 information from her.

15 Q. Well, you also had people other than
16 Dr. Kimbrough look at her slides to see if they had any
17 disagreement with her findings; isn't that correct?

18 A. Dr. Kimbrough had sent her slides to other
19 people for review to be sure that -- or to get
20 confirmation or further amplification of what she
21 thought she saw in her slides, and we did the same
22 thing. We participated in that review.

23 Q. Okay. She, for example, on her 1974 studies,
24 sent copies of the slides to Dr. Squire?

25 A. Yes.

1 Q. Okay. And then Monsanto had Drs. Gordon and
2 Richter go with you to look at those same slides?

3 A. Yes.

4 Q. Correct? And then you wrote a report of what
5 findings were made by Dr. Gordon and Dr. Richter, based
6 upon their independent review of Dr. Kimbrough's slides?

7 A. Yes.

8 Q. Okay. And what was Monsanto's purpose or goal
9 in so analyzing the findings of those other people who
10 conducted tests of Monsanto's PCB products?

11 A. It was an attempt to be informed of the
12 possible biological effects of the compound.

13 Q. So the company would try to gather as much
14 information about any hazards that might be associated
15 with PCBs so that it would be as knowledgeable as
16 possible about the effects of PCBs?

17 A. Yes.

18 Q. Okay. And once that information was gathered
19 by Monsanto, what did it do with it?

20 A. As I indicated earlier, we would summarize it,
21 have it available for our own information and for those
22 who made inquiries about it.

23 Q. And when Monsanto would provide that
24 information to some other party -- for example, the EPA
25 or the FDA -- should Monsanto have provided a complete

1 summary of the information which it had gathered to that
2 inquiring party?

3 A. Monsanto did provide a complete summary of what
4 it knew.

5 Q. And that is the findings that were adverse to
6 Monsanto's position as well as the findings that were in
7 support of whatever position Monsanto had with regard to
8 its PCB products?

9 A. Yes.

10 Q. Okay.

11 There is an initial at the bottom of Exhibit
12 10, W. R. Is that William Richard?

13 A. I do not know if it's William Richard.

14 Q. Whose handwriting is at the bottom of that
15 memorandum?

16 A. I really don't -- don't think I can identify
17 it. It looks so stereotyped when it's photocopied, I
18 can't -- I really can't identify it.

19 Q. Okay. And some of the people that received
20 this information, as communicated by Exhibit 10 to your
21 deposition, are Ralph Munch?

22 A. Yes, I recognize that name.

23 Q. Who is he?

24 A. He was a scientist, a technical person in the
25 functional fluids area.

1 Q. At Monsanto?

2 A. At Monsanto.

3 Q. And who is Mr Gossage?

4 A. Mr. Gossage is -- is in the business side of
5 the -- at that time would have been the business side of
6 the functional fluid as distinct from the scientists.

7 Q. At Monsanto?

8 A. At Monsanto.

9 Q. And then we've already identified Elmer Wheeler
10 and William Papageorge as being employees of Monsanto?

11 A. Yes.

12 Q. And who is Frederick Johannsen?

13 A. Dr. Johannsen is a toxicologist at Monsanto.

14 Q. Did he report to you?

15 A. Yes.

16 Q. Okay. And it says at the bottom of Johannsen's
17 memorandum, "Our fate may be decided one way or another
18 in six months. I hope your feelings that it is not
19 carcinogenic are born out!", exclamation mark.

20 Is that referring to the Aroclor product to
21 which the memo makes reference?

22 A. Since I did not write it, I can guess as well
23 as you can, I guess.

24 MR. ANDREWS: Well, Dr. Levinskas, I
25 don't want you to guess. Mr. Pohl does

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028501

1 not want you to guess. Apparently, he's
2 reading to you from some handwritten
3 notations on Exhibit 10, which you have
4 told him that you don't know who wrote it
5 or you don't recognize the handwriting. I
6 don't want you to guess what somebody else
7 said, the identity of whom you don't even
8 know.

9 Q. (By Mr. Pohl) Did you receive a copy of the
10 memo?

11 A. I probably did, though there is no indication
12 on that one that I did.

13 Q. And the memo in its text refers to you by name,
14 does it not?

15 A. Yes.

16 Q. Okay.

17 And was it your feeling or hope that Aroclors
18 were not carcinogenic, as of December 1974?

19 MR. ANDREWS: I object to that
20 question as compound. You may ask him
21 whether it was his feeling or whether it
22 was his hope, but not the same -- not that
23 compound question.

24 Q. (By Mr. Pohl) Well, was it your hope in
25 December of 1974 that Aroclors were not carcinogenic?

1 A. Insofar as I could recall a mind set about the
2 that time in 1974, I would say that I was very
3 interested in trying to reach a decision or
4 determination based on the available information as to
5 whether or not PCBs were carcinogenic to animals.

6 Q. So you really didn't know for sure in December
7 of 1974 whether PCBs were carcinogenic or not
8 carcinogenic to animals?

9 A. That's correct.

10 Q. Okay.

11 It said -- this document, Exhibit 10, goes on
12 to say "Should prepare for Aroclor 1016 and MCS 1043" --
13 I'm not sure I can read the last word, but it may be
14 "anyway." What is Aroclor 1016? Is it an Aroclor
15 product that doesn't have PCBs?

16 A. It is an Aroclor product with PCBs, with the
17 average chlorination of the PCBs being much lower or
18 somewhat lower than the other products available at that
19 time.

20 Q. Okay. Is it less than 50 parts per million?

21 A. The 50 part per million term is -- doesn't fit
22 in the question.

23 Q. Okay. What would distinguish Aroclor 1016, for
24 example, from Aroclor 1254, which is referred to in the
25 body of Exhibit 10?

1 A. The average degree of chlorination in 1254 is
2 approximately 54 percent. In 1016, it would be lower.
3 It would have an average chlorination -- I'm not sure,
4 but something on the order of maybe 30 percent.

5 Q. Okay. And what would be the purpose for
6 preparing for Aroclor 1016 as opposed to Aroclor 1254?

7 A. I don't know what he meant by "preparation."

8 Q. Would there be any benefit to the use of
9 Aroclor 1016 as opposed to the use of Aroclor 1254 in
10 terms of human or environmental hazard?

11 A. I don't think it's known. Studies have not
12 been done on 1016.

13 Q. To this day?

14 A. To this day.

15 Q. Okay. What about MCS 1043? What is that?

16 A. MCS is an acronym used simply for Monsanto
17 Company Sample. It's just an experimental designation.
18 The digits, the numbers, at this time have no meaning.
19 One could look them up to get a record, but I have no
20 recollection.

21 Q. So it was just a -- so the reference to
22 Aroclor, or to 1043, is to just an experimental product
23 that may or may not have ever been manufactured by
24 Monsanto?

25 A. That's correct.

1 Q. Okay. Is Aroclor 1016 manufactured today?

2 A. No.

3 Q. When was the last time it was manufactured?

4 A. I don't know precisely, but I -- I'm sure it
5 ceased when we ceased manufacturing other PCBs.

6 Q. Okay.

7 Dr. Levinskas, let me show you Exhibit 13 to
8 your deposition -- again, a document I've previously
9 asked you to read -- and ask you to look on with me.

10 In approximately the middle of that document,
11 which is Monsanto No. 022820, you make a reference to
12 certain Japanese materials, do you not?

13 A. Yes.

14 Q. All right. And why was it that you referred to
15 these Japanese products?

16 A. The Japanese products are PCBs produced by
17 Japan.

18 Q. And why were they of direct interest with
19 regard to Monsanto's Aroclor 1260 product?

20 A. This was -- this memo was issued prior to the
21 meeting that we had with Dr. Squire and Dr. Kimbrough at
22 the National Cancer Institute. These papers discuss the
23 studies looking at liver tumors in animals. They were
24 provided to Dr. Gordon at Bio-Test as part of his
25 general -- for his general information so that he could

1 consider these findings together with his own
2 observations and be conversant with these when he looked
3 at Dr. Kimbrough's slides.

4 Q. Okay. So what you were doing is bringing
5 Dr. Gordon up to speed on the literature with regard to
6 PCBs so that he would be generally familiar with that
7 prior to examining Dr. Kimbrough's slides?

8 A. I was calling his attention -- his studies,
9 which he may or may not have seen, but I was calling to
10 attention studies which were relevant in this area, yes.

11 Q. At least these are three selected studies that
12 you expressly wanted Dr. Gordon to have read prior to
13 reviewing Dr. Kimbrough's slides?

14 A. Yes.

15 Q. And in your first sentence in your letter of
16 January 14th, 1975, you refer to those three reprints
17 dealing with liver tumorigenesis of PCBs. What does
18 liver tumorigenesis of PCBs mean, as you've used it in
19 your letter?

20 A. They produce tumors in the livers of rodents.

21 Q. Okay. And these three studies that you've
22 identified and requested Dr. Gordon to read pertain to
23 tumors of the liver caused by the exposure of animals to
24 PCBs, correct?

25 A. Yes.

1 Q. All right. What animals were exposed, in these
2 three studies, to PCBs?

3 A. At this time, I cannot recall. They were rats
4 or mice.

5 Q. Okay. So these three studies: the Gann study
6 in '63, the Gann study in '64, and the Journal of
7 National Cancer Institute's study in '73, dealt with
8 exposure of either rats or mice to PCBs?

9 A. Yes.

10 Q. And the resultant liver tumorigenesis resulting
11 as a consequence of that exposure?

12 A. Yes.

13 MR. ANDREWS: Object -- object to
14 that question. It's a mischaracterization
15 of his earlier testimony. He hasn't
16 testified as to cause and effect. He's
17 testified about the fact that these
18 reports have dealt with exposure of rats
19 and mice to PCBs.

20 Q. (By Mr. Pohl) And I think you indicated a
21 moment ago that the substance of these three reports
22 that you sent to Dr. Gordon for his review prior to his
23 meeting with Dr. Kimbrough was the liver tumorigenesis
24 found in those animals that had been exposed to PCBs,
25 correct?

1 A. There were studies dealing with liver tumors
2 and PCBs, yes.

3 Q. Okay.

4 Were the findings in the three studies that you
5 make reference to in your letter of January 14th
6 consistent with the findings of Dr. Kimbrough?

7 A. At this time, I do not recall those studies
8 well enough to answer.

9 Q. Did Dr. Gordon communicate to you any opinions,
10 after he read these three articles that you sent to him?

11 A. No.

12 Q. You and he just didn't discuss it?

13 A. No.

14 Q. Did he discuss it with Dr. Kimbrough?

15 A. I'm not -- don't know what he discussed with
16 Dr. Kimbrough.

17 Q. In your presence?

18 A. Not in my presence.

19 Q. Okay. And did you provide these same three
20 articles to Dr. Kimbrough?

21 A. No.

22 Q. Okay. And you also wanted Dr. Gordon to review
23 the three studies of liver tumorigenesis in rats or mice
24 exposed to PCBs with Dr. Ward Richter, did you not?

25 A. Yes.

 NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028508

1 Q. Okay. Do you know if Dr. Richter in fact
2 reviewed these three articles?

3 A. I do not.

4 Q. Okay. In connection with Dr. Richter's review
5 of the Renate Kimbrough slides of those rats exposed to
6 PCBs on or about 1974, did you personally discuss with
7 Dr. Richter his opinions based upon his review of
8 Dr. Kimbrough's slides?

9 A. I believe I summarized, in one of those memos,
10 the discussion we had after the meeting with Kimbrough,
11 yes.

12 Q. Okay. Is the memo that you are referring to
13 Levinskas Exhibit 14?

14 A. Yes.

15 Q. Okay. I forgot to ask you one question about
16 Levinskas 13. At the very top of it it has "bcc W. B.
17 Papageorge." Did you send a copy of your memo to
18 William Papageorge?

19 A. That would indicate a blind carbon copy to
20 Papageorge.

21 Q. Why did you send a copy of your letter dated
22 January 14, 1975, to Mr. Papageorge?

23 A. Mr. Papageorge was the product manager for
24 PCBs.

25 Q. And you were keeping him generally apprised of

NELL MCCALLUM & ASSOCIATES, INC.

1 the results of studies which were being conducted or had
2 been conducted by others on the effects of PCBs on
3 either humans or the environment?

4 A. Keeping him posted of my activities.

5 Q. Okay.

6 Did you tell me you recognized Exhibit 14 as
7 your memo regarding your meetings with Dr. Kimbrough,
8 accompanied by Drs. Richter and Gordon?

9 A. Yes.

10 Q. Okay.

11 You say, in Paragraph Numbered 3 of your memo,
12 the following: "There were definite liver
13 adenocarcinomas in Kimbrough's study." What do you mean
14 by that?

15 A. That would be a -- the term basically says that
16 there were cancers in the livers of the Kimbrough study.

17 Q. Okay.

18 You go on to say that "there was agreement by
19 Drs. Gordon and Richter that Dr. Kimbrough's rats had
20 developed a lesion which they had not observed in our
21 earlier study of Aroclor 1260." What type of lesion are
22 you referring to?

23 A. That would be the adenocarcinoma you just
24 discussed.

25 Q. Okay. So, in effect, Drs. Gordon and Richter

1 are concurring with Dr. Kimbrough's findings of liver
2 cancer. Is that correct?

3 A. They concur with the diagnosis that
4 Dr. Kimbrough made on her slides.

5 Q. All right. I'm not asking if they concur or
6 agree that the IBT slides revealed the same thing as
7 Dr. Kimbrough. To be specific, my question was:
8 Drs. Gordon and Richter communicated to you that they
9 concurred with Dr. Kimbrough's readings of the slides
10 resulting from Dr. Kimbrough's exposure of rats to
11 Monsanto's Aroclor product?

12 A. Yes.

13 Q. Okay. And that was in -- the meeting actually
14 took place on January 31, 1975?

15 A. I believe that was it.

16 Q. And your forwarding of three articles from the
17 literature to Dr. Gordon for his reading prior to the
18 meeting with Dr. Kimbrough occurred on or about January
19 14, 1975?

20 A. Yes.

21 Q. After you -- well, in your report of the trip
22 to review Dr. Kimbrough's slides, you said, "If Drs.
23 Gordon or Richter feel that I have not summarized this
24 meeting correctly or if they desire to amend or expand
25 my remarks, I invite them to let me know."

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028511

1 A. Yes.

2 Q. Did they make any amendments to your remarks?

3 A. They did follow back with a letter.

4 Q. Okay. And is that Dr. Gordon's letter to you
5 dated March 24, 1975, marked Levinskas 15?

6 A. That would be it.

7 Q. And in that letter, he encloses the reports
8 made of the trip by himself and Dr. Richter, does he
9 not?

10 A. Yes.

11 Q. And did you receive that letter?

12 A. Yes.

13 Q. Okay. Including the trip reports?

14 A. Yes.

15 Q. Would you read for the Court and jury Paragraph
16 Numbered 5 of the trip reports attached to Levinskas
17 Exhibit 15?

18 A. "However, the lesions in Dr. Kimbrough's study
19 were more severe than those in the Bio-Test study. The
20 lesions that she and Dr. Squire are calling carcinoma
21 are also carcinoma by my criteria. I would conclude
22 from an examination of their material that Dr.
23 Kimbrough's study demonstrated carcinogenicity".

24 Q. Okay. And in layman's terms, what does it mean
25 when a study demonstrates carcinogenicity?

NELL MCCALLUM & ASSOCIATES, INC.

1 A. That the animals in the Kimbrough study had
2 cancer.

3 Q. Okay. Thank you, Doctor.

4 MR. POHL: Why don't we take our
5 lunch break. It's after 12:00. The
6 tape's about out, and I'm going to start
7 another topic.

8 VIDEO OPERATOR: We're off the
9 record.

10 (LUNCH RECESS)

11 VIDEO OPERATOR: This is the video
12 deposition of Dr. George Levinskas. We've
13 been off the record for a short break.
14 We're now on the record. The time is 1:16
15 p.m.

16 Q. (By Mr. Pohl) Dr. Levinskas, do you know the
17 composition of mineral oil?

18 A. Not specifically, I don't, no.

19 Q. Okay. Do you know whether or not mineral oil
20 is one of the types of oil that are and have been
21 available as an alternative product to PCBs for use in
22 transformers?

23 A. I don't know anything of the efficacy or
24 utility of alternates.

25 Q. Okay. To your knowledge, has Monsanto

NELL MCCALLUM & ASSOCIATES, INC.

1 conducted any tests, while you've been an employee of
2 Monsanto, of the toxicology of mineral oil?

3 A. None that I know of.

4 MR. POHL: Would you mark this.

5 (EXHIBIT NO. 16 MARKED)

6 Q. (By Mr. Pohl) Let me show you what's been
7 marked as Levinskas 16. It's a letter dated shortly
8 before your employment started at Monsanto. You may not
9 have seen that before. Let me just ask you to review
10 it.

11 Have you had a chance to review Exhibit 16? -

12 A. Yes.

13 Q. Okay. It talks in this letter about some
14 clean-up activities with regard to Aroclor samples. Do
15 you know what that could be referring to?

16 A. No.

17 Q. Okay.

18 Was it the practice of Monsanto to edit papers
19 to be presented by independent testing laboratories at
20 seminars or scientific meetings before the person
21 authoring that paper would present it?

22 A. It's a common practice to have scientific
23 findings reviewed by others before they are presented.

24 Q. And was it Monsanto's practice to review papers
25 presenting findings with regard to Aroclor studies at

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028514

1 scientific meetings before such papers were presented?

2 A. Some papers were reviewed. I could not say
3 what was the general practice.

4 Q. Did you review any papers that were prepared by
5 employees of IBT with regard to Aroclor studies that had
6 been conducted by IBT for Monsanto?

7 A. Yes.

8 Q. Okay. And would you from time to time make
9 changes to their proposed papers?

10 A. I would make editorial comments, yes.

11 Q. Did IBT let Monsanto know that if there were
12 any points that Monsanto wanted to have changed in a
13 presentation or paper that IBT was presenting at a
14 scientific meeting that IBT would oblige Monsanto in
15 that regard?

16 A. Your statement says that IBT -- I take it --

17 MR. ANDREWS: Doctor, let me
18 interject something here. If you don't
19 understand the question, tell him you
20 don't understand the question. I don't
21 want you to rephrase his question. He's
22 entitled to a direct answer to a direct
23 question, but I don't want you to end up
24 asking yourself questions. That's not
25 what we're here for.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028515

1 THE WITNESS: May I ask to have the
2 question repeated?

3 Q. (By Mr. Pohl) Okay. When you were interacting
4 with IBT and they were preparing papers to present at
5 scientific seminars, did they make it known to Monsanto
6 that Monsanto could make changes to the papers that IBT
7 was going to present on regarding its study of various
8 Aroclor products?

9 A. I was never asked or presented a paper with the
10 request that I could make changes if I so wish.

11 Q. Did you ever review any of IBT's advertising
12 literature?

13 A. No.

14 MR. POHL: Would you mark this
15 document.

16 (EXHIBIT NO. 17 MARKED)

17 Q. (By Mr. Pohl) Let me show you Levinskas
18 Exhibit 17 and pass it to you and your lawyer so that
19 you may have an opportunity to read it.

20 -- Have you had an opportunity to read the letter?

21 A. Yes.

22 Q. And that's a letter from Paul Wright to you
23 dated September 30, 1971?

24 A. Yes.

25 Q. And do you recognize Dr. Wright's signature?

NELL MCCALLUM & ASSOCIATES, INC.

1 A. Yes.

2 Q. Okay. The letter refers to "a general
3 indication of the toxicity of the material," referring
4 to Aroclor 1254. What does that mean to you?

5 A. With respect to this topic that he's talking
6 about, he's making a point about the study, which is
7 difficult to take out of context and to comment on.

8 Q. Okay. Well, let's take it out of the context
9 of this letter so you don't have any problem with it in
10 that regard. What does it mean, generally, when you
11 refer to a general indication of the toxicity of a
12 material?

13 A. May I see the letter?

14 Q. Sure.

15 A. Prior to this, he's talking about an
16 interpretation.

17 MR. ANDREWS: Excuse me, Doctor.

18 That's not the question. The question is
19 what is meant by a general indication of
20 toxicity. If you can answer that
21 question, answer it. If you can't, we'll
22 go on to something else; but please listen
23 to his question.

24 THE WITNESS: Well, his statement is
25 "a general indication of the toxicity of

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028517

1 the material."

2 MR. ANDREWS: Excuse me, Doctor, once
3 again. He's not asking you about
4 Mr. Wright's statement. He's asking you
5 about what the term "general indication of
6 toxicity" means. He's taking it out of
7 this letter. Now, if that has meaning
8 separate and apart from this letter, then
9 answer the question. If it doesn't, it
10 doesn't; and we can go on to something
11 else. But he's not asking you about what
12 Mr. Wright wrote.

13 A. To me, a general indication of toxicity would
14 be a nonspecific reference to an effect of a chemical.

15 Q. (By Mr. Pohl) Okay. And when a chemical has a
16 toxic effect, what kind of effect is it having?

17 A. Toxic is such a broad word, it could cover a
18 multitude of effects.

19 Q. What would be a meaning of the word toxic that
20 you would agree with?

21 A. Toxic would be an adverse effect.

22 Q. Okay. So, a general toxic effect would be a
23 general adverse effect caused by the chemical?

24 A. Yes.

25 Q. And in terms of animal studies when it has --

1 when a chemical has a general toxic effect, does that
2 imply that animals are dying, or does that just apply
3 that animals are suffering an ill effect short of death
4 or either of those two?

5 A. As I indicated, it's such a general term that
6 would cover any adverse effect.

7 Q. So when a chemical has a general toxic effect,
8 that can mean that the chemical was causing ill health
9 to the animal as well as death in the animal?

10 A. It would cover a range of actions, yes.

11 Q. Which would then cover both -- which would
12 include both of these things which I've just stated to
13 you?

14 A. Yes.

15 Q. Thank you.

16 Now, the letter goes on to say that "there
17 appears to be a reduction in pup survival in the group
18 fed the highest level, 100 ppm, of Aroclor 1254." What
19 does that mean to you?

20 - A. That some of the pups had reduced survival at
21 those high dosages.

22 Q. Okay. And let me see if I understand that and
23 if we can put that in layman's terms for the Court and
24 jury. What would happen is that the mother rat would be
25 fed an Aroclor product, and then someone would be

1 looking at the survivability of that mother rat's pups;
2 is that correct?

3 A. That's correct.

4 Q. And when someone refers to baby rats they, in
5 your business, call them pups, don't they?

6 A. Yes.

7 Q. In this case, Dr. Wright's reporting that there
8 was death among the pups of the mother rat or rats fed
9 the Aroclor 1254; is that correct?

10 A. He says there appears to be a reduction in
11 survival fed the highest level of Aroclor 1254.

12 Q. And my question to you was: Does that mean
13 that there was death among the pups of the mother rat
14 fed the Aroclor 1254?

15 A. At the highest level fed, yes.

16 Q. Okay. So as of at least September 30, 1971,
17 you knew from the report that you had received from Paul
18 Wright that rats fed 100 parts per million of Aroclor
19 1254 was showing a reduction in survivability of the
20 pups of those same rats?

21 A. Rats fed those high dosages were showing
22 reduced survival.

23 Q. And Dr. Wright also advises you that this is
24 probably a general indication of the toxicity of the
25 material; is that correct?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028520

1 A. As I read his letter, the general indication of
2 toxicity is not in reference to the reduced survival.

3 Q. Okay. So those are separate, two separate
4 points he's making; is that correct?

5 A. That's right.

6 Q. One is that there is a general toxicity of the
7 material, and the second and distinct point is that
8 there is a problem with the survivability of some of the
9 pups of those rats fed Aroclor 1254 at 100 parts per
10 million?

11 A. Yes.

12 Q. Thank you.

13 Oh, after you received Levinskas Exhibit 17,
14 did you make a report of that and send it to anyone else
15 in your department?

16 A. I cannot recall.

17 Q. Okay.

18 But the information contained within Levinskas
19 17 about the general toxicity of the material and the
20 survivability of the rat pups was then included within
21 this body of information that was available from which
22 Monsanto could draw in communicating to the government
23 or communicating to customers who might inquire about
24 the general effects that PCBs might have, either on the
25 environment or on human health; correct?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028521

1 A. On environment or animal health, yes.
2 Q. Okay.
3 Did you know Dr. Keplinger personally?
4 A. I had met Dr. Keplinger professionally.
5 Q. Okay. You refer to him in some of your
6 correspondence as "Dear Kep." I just wondered if y'all
7 visited socially with each other.
8 A. No.
9 Q. Purely a professional relationship?
10 A. Yes.
11 Q. Did you visit socially with any of the
12 employees of IBT?
13 A. No.
14 Q. Do you know if anyone did at Monsanto?
15 A. No.
16 MR. POHL: Would you mark this.
17 (EXHIBIT NO. 18 MARKED)
18 Q. (By Mr. Pohl) In addition to you reviewing
19 papers to be published by IBT personnel, either in the
20 literature or at seminars, did others in the medical
21 department at Monsanto also review papers to be
22 published by IBT personnel?
23 A. Yes.
24 Q. And was that review done typically before the
25 papers were put in final form?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028522

1 A. The review would be on the proposed paper.

2 Q. If the paper was to be published under the name
3 of the person conducting independent research on
4 Monsanto's products, whose opinions should have been
5 expressed in the papers, those of the independent
6 researcher or those of Monsanto?

7 A. The ones which were technically correct.

8 Q. Okay.

9 Let me show you Exhibit No. 18 to your
10 deposition and see if you can recognize that document.

11 Do you recall Levinskas Exhibit 18? -

12 A. I do not recall having seen that memo before.

13 Q. Okay. And it's authored -- purports to be
14 authored by Elmer P. Wheeler. What was his position in
15 Monsanto, as compared to your position, in April of
16 1972?

17 A. He was my immediate supervisor.

18 Q. Okay. Would he -- why would he have been
19 interacting with IBT with regard to their publications
20 regarding Aroclor studies, as opposed to yourself or
21 Dr. Hunt, for example?

22 A. He was my boss and Dr. Hunt's boss. And I
23 can't answer your question.

24 Q. It notes on the second page a reference to you.

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028523

1 Q. It says, "Dr. Levinskas is reviewing each of
2 the papers to see if you, Kep, and I have missed typos
3 and he may well suggest some grammatical changes which
4 he will enter on your copy and send xeroxes to you and
5 Kep."

6 Are those the type of editorial comments you
7 made on papers to be published by IBT with regard to
8 Aroclor studies?

9 A. I made comments on the Aroclor studies on those
10 drafts, yes.

11 Q. And did you limit those comments to typos and
12 suggested grammatical changes, or did you make
13 substantive editorial comments?

14 A. My recollection is the typos and errors and
15 were they -- did they appear technically valid.

16 Q. All right. So you would not only read the
17 proposed publications by independent testing
18 laboratories such as IBT for grammar and typos, but you
19 would study their technical validity?

20 - A. Yes. -

21 Q. And what if you disagreed with their technical
22 validity, what would you do?

23 A. I would raise a question to them about the
24 technical validity.

25 Q. Did that ever occur, as you recall, with regard

1 to any Aroclor studies done by IBT?

2 A. No.

3 Q. Okay. The letter goes on to say, "I hope to
4 get copies of all the studies in the hands of Bill
5 Papageorge, Scott Tucker, and the lawyers next week. I
6 do not anticipate a lot of changes from them and hope
7 that the attorneys agree that we can go ahead with
8 publication."

9 Was it the practice of the medical department
10 at Monsanto to additionally have Monsanto's lawyers
11 review publications of studies by independent
12 laboratories on Monsanto's Aroclor products?

13 A. It's a practice that all companies by member --
14 all publications by members of the company have to have
15 clearance.

16 Q. By the legal department?

17 A. The individuals that Mr. Wheeler picked for
18 that, I don't -- I have no knowledge of how or why.

19 Q. Well, what knowledge do you have about why the
20 lawyers at Monsanto would review -- would be reviewing a
21 proposed publication by an independent entity such as
22 IBT?

23 A. I have no knowledge of why that was there.

24 Q. Is that a practice that is companywide or was
25 companywide back in the 1970s, or is that a practice

NELL MCCALLUM & ASSOCIATES, INC.

1 that was limited strictly either to IBT or the Aroclor
2 products?

3 A. I said I have no knowledge on it.

4 Q. Either way?

5 A. Either way.

6 Q. Did you raise any question about it when it was
7 mentioned in connection with a study that you were going
8 to edit, at least for typos and grammar?

9 A. I have indicated that I do not recall having
10 seen that letter originally.

11 Q. After you edited this paper, what did you do
12 with it?

13 A. I returned it to Mr. Wheeler.

14 Q. Was there any indication on the paper at the
15 time you returned it to Mr. Wheeler that the Monsanto
16 lawyers had already done their work on the paper?

17 A. I do not -- my recollection is that I had
18 typewritten manuscripts with no indication of anyone
19 else having looked at them.

20 - Q. Okay. So there weren't already any
21 interlineations or handwritten changes or marginal notes
22 by the time you got the paper?

23 A. That's correct.

24 Q. Okay.

25 Do you recall any incidences -- any incidence

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028526

1 where a paper to be published by a third-party entity,
2 such as Industrial Bio-Test, for example, was ever
3 edited by Monsanto's lawyers?

4 A. I have no knowledge of that.

5 MR. POHL: Would you mark this.

6 (EXHIBIT NO. 19 MARKED)

7 Q. (By Mr. Pohl) Let me show you a document which
8 the court reporter has marked as Exhibit 19 to your
9 deposition and pass it to you and your lawyer.

10 Have you had a chance to review the exhibit,
11 Doctor?

12 A. Yes, I did.

13 Q. You received a copy of that document?

14 A. Yes.

15 Q. And who is Dr. George Roush?

16 A. He is the current director of the medical
17 department at Monsanto.

18 Q. Back in April of 1975? I'm sorry. I didn't
19 understand your answer. He's the current -- you mean he
20 is the medical director of Monsanto today?

21 A. Yes.

22 Q. Okay. And what was his position in April of
23 '75?

24 A. I'm not sure when Dr. Kelly retired, but either
25 Dr. Roush had become medical director or he was on the

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028527

1 verge of becoming it with Dr. Kelly's retirement.

2 Q. The first sentence of the letter, which has
3 been identified as Exhibit No. 19 to your deposition,
4 reads: "I fully appreciate that the meaning -- that the
5 meeting on PCBs today was not completely satisfactory
6 and that many nagging questions remain."

7 What were those nagging questions?

8 A. I have no recollection of the meeting that's
9 being referred to.

10 Q. Do you have any knowledge of any nagging
11 questions pertaining to PCBs that existed in April of -
12 1975?

13 A. No.

14 Q. You had none in April of '75?

15 A. No.

16 Q. Okay. You had satisfied yourself as to any
17 questions or doubts you had about PCBs by that time?

18 A. I said I had no nagging questions.

19 Q. Okay. And the person writing this letter to
20 Dr. Roush was J. C. Calandra?

21 A. Yes.

22 Q. And who was he?

23 A. He was the president of IBT.

24 Q. And in the late 1960s and into the early 1970s,
25 did IBT conduct quite a number of Aroclor studies for

1 Monsanto?

2 A. Yes.

3 Q. And did IBT also do a number of other studies
4 for Monsanto during this same time period?

5 A. Yes.

6 Q. And are you familiar with approximately how
7 much money Monsanto spent with IBT for testing during
8 those years?

9 A. Only in the vaguest terms.

10 Q. It was in the several hundreds of thousands of
11 dollars, was it not, Doctor?

12 A. Over that period of time, yes.

13 Q. Okay. And would you read for the Court and
14 jury the middle paragraph of Dr. Calandra's letter to
15 Monsanto dated April 18, 1975?

16 A. "Please let me know of any action that you
17 contemplate in the way of seeking additional assistance
18 in pathology or in contacting federal agencies. We will
19 be pleased to be of help in any way that you may wish."

20 - Q. And was there anything out of the ordinary
21 about the paragraph that you just read in terms of your
22 dealing -- your company's dealings with IBT?

23 A. No.

24 Q. Okay. And did IBT help Monsanto in presenting
25 its position to governmental agencies?

1 A. Yes.

2 Q. Okay. And did -- I assume, then, that Monsanto
3 took up Dr. Calandra's offer to help in any way that
4 Monsanto might wish; is that correct?

5 A. I've indicated that --

6 MR. ANDREWS: The question, Doctor
7 is -- Mr. Pohl's last question is directed
8 to whether or not Monsanto did anything in
9 response to the central -- center
10 paragraph of that letter. He's not
11 talking about generally, he's talking
12 about that letter.

13 Q. (By Mr. Pohl) Let me see if I can approach it
14 this way to help you understand it: For one thing,
15 Monsanto asked Dr. Calandra to accompany William
16 Papageorge to make presentations to several government
17 agencies in congressional oversight committies in
18 November of that same year --

19 A. Yes.

20 Q. -- isn't that true?

21 A. Yes.

22 Q. So, in fact, in that specific incidence, for
23 example, Monsanto did take up Dr. Calandra on his offer
24 whereby he said, quote, "We will be pleased to be of
25 help in any way that you may wish," close quote. Is

NELL MCCALLUM & ASSOCIATES, INC.

1 that correct?

2 A. Yes.

3 Q. Thank you.

4 Do you know the substance of the information
5 that was presented by Monsanto to a committee of the
6 United States Congress and to governmental agencies with
7 regard to PCBs in November of 1975?

8 A. Yes.

9 Q. What was it?

10 A. It was a review of available information on
11 liver sections from animals, rodents, fed Aroclor 12 --
12 Aroclors, PCBs.

13 Q. Okay. And what did Monsanto tell the
14 government in the fall of 1975 about the possible
15 carcinogenicity of PCBs?

16 A. I can describe the information. I was not
17 present at the telling. There were reviews of
18 additional liver sections of the Aroclor rodent studies
19 Monsanto had conducted. There were reviews of those
20 sections by an outside consultant from the Eppley
21 Institute of Cancer who had also looked at
22 Dr. Kimbrough's slides. I think that was essentially
23 it.

24 Q. In November of 1975, did Monsanto tell the
25 United States Government that it had no indication that

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028531

1 PCBs were a carcinogen?

2 A. We presented the information Monsanto had
3 developed on PCBs.

4 Q. No, sir; you didn't, did you?

5 A. We did present the information.

6 Q. All of it?

7 A. All of it.

8 Q. Both good and bad?

9 A. Good and bad.

10 Q. Okay. That's your position today?

11 A. Yes.

12 Q. All right. And so we can be clear about this
13 to the Court and jury, your position today is that
14 Monsanto should have told the government in the fall of
15 1975 whatever information was available to it about the
16 possible carcinogenicity of PCBs, whether it was good or
17 bad?

18 A. I said Monsanto presented the information it
19 had developed on PCBs to the agencies.

20 - Q. Well, did that include the information you got
21 from Dr. Gordon, and from Dr. Richter, and from
22 Dr. Squire, and from Dr. Kimbrough?

23 A. It --

24 MR. ANDREWS: Are you talking about
25 the same Dr. Squire that was working for

NELL MCCALLUM & ASSOCIATES, INC.

1 the government? Are you asking him
2 whether we should have given reports that
3 Dr. Squire had developed while he was
4 working for the government to the
5 government?

6 MR. POHL: Yes.

7 A. And Dr. Kimbrough was working for the
8 government as well.

9 Q. (By Mr. Pohl) Yes. And, of course, you and
10 Dr. Gordon and Dr. Richter had gone and independently
11 reviewed their work, correct?

12 A. Right.

13 Q. And we've already established on the record
14 what the findings were of that review, correct?

15 A. Yes.

16 Q. And you've already read from and identified
17 your memorandum summarizing that, have you not?

18 A. Yes.

19 Q. Okay. And was that information about the
20 possible carcinogenicity of PCBs communicated to the
21 government in November of 1975 by Monsanto? Yes or no.

22 A. Yes.

23 Q. Okay.

24 MR. POHL: Would you mark this.

25 (EXHIBIT NO. 20 MARKED)

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028533

1 Q. (By Mr. Pohl) Let me show you Exhibit No. 20
2 to your deposition and ask you if you recognize this
3 document. Show it to your lawyer as well.

4 (EXHIBIT NO. 21 MARKED)

5 (EXHIBIT NO. 22 MARKED)

6 Q. (By Mr. Pohl) Have you had a chance to review
7 the document, Doctor?

8 A. Yes.

9 Q. It's a letter addressed to you, is it not?

10 A. Yes.

11 Q. From Donovan Gordon?

12 A. Yes.

13 Q. And did you receive that letter?

14 A. Yes.

15 Q. It notes in the letter that Dr. Richter and
16 Dr. Gordon have recently re-examined some additional
17 liver sections on an IBT rat study. Do you recall who
18 requested that re-examination?

19 A. I do not.

20 - Q. It says in here that Dr. Richter has classified
21 this lesion, referring to a liver lesion, as nodular
22 hyperplasia. Do you know what that means?

23 A. No.

24 Q. Okay. Did you write or call Dr. Gordon to ask
25 him what he meant by the use of the term "nodular

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028534

1 hyperplasia" in his letter to you of April 28, 1975?

2 A. No.

3 Q. Okay. So when Dr. Gordon reported this
4 particular finding as to a review of a liver slide, you
5 didn't know what he meant?

6 A. Let me go back, when I said no to nodular
7 hyperplasia. That's a description of something he saw
8 under the microscope. I would like to amend that. And
9 I have a general understanding of the term, but I could
10 not describe precisely under a microscope what he was
11 talking about.

12 Q. Okay. What is your general understanding of
13 the term?

14 A. It's an alteration in the liver cells which are
15 small nodules that have altered structure from adjacent
16 liver cells.

17 Q. Does it fall within the general definition of a
18 toxic effect that you told us about earlier in your
19 deposition?

20 - A. Yes.

21 Q. So you knew, as of April 28, 1975, that upon a
22 re-examination, Dr. Gordon and Dr. Richter had found a
23 nodular hyperplasia which is a toxic effect?

24 A. A liver change.

25 Q. Okay. So even if you didn't know the details

1 of the meaning of what he was communicating to you, you
2 knew that his review reflected some toxic effect?

3 A. Yes.

4 Q. Okay. Dr. Gordon goes on to state, in the last
5 paragraph of his letter, that "This change in
6 reclassification will appear in our revised report of
7 IBT No. 641-06672."

8 Do you recall whether or not you received a
9 revised report from IBT reflecting this change?

10 A. I do not recall.

11 Q. Did you request IBT to change any of its
12 reports to Monsanto with regard to IBT's examination of
13 Aroclor products?

14 A. No.

15 Q. You never did that?

16 A. No.

17 Q. Let me show you Exhibits 21 and 22 to your
18 deposition and see if you can recognize these documents.

19 Dr. Levinskas, let me see if I can refresh your
20 recollection. In July of 1975, did you request that an
21 IBT report of a study on Aroclor 1252 be amended to say
22 "Does not appear to be carcinogenic," in lieu of the
23 phrase, "slightly carcinogenic" -- I'm sorry, "slightly
24 tumorigenic"?

25 A. That's correct.

1 Q. Okay. And now that I've shown you that
2 document, do you have a recollection of whether or not
3 IBT, at your request, did in fact change the results of
4 one or more of its studies on Monsanto's Aroclor
5 products?

6 A. They changed the wording, the conclusion
7 statement, yes, to more accurately reflect what they had
8 reported.

9 Q. All right. And to more simply state that,
10 Doctor, at your request, IBT changed the wording of its
11 conclusions on one or more of its studies of Aroclor
12 products in the summer of 1975; is that true?

13 A. To reflect more accurately the findings they
14 had reported.

15 Q. Okay. And I take it, then, that you had done
16 an independent examination of their findings so that you
17 could more accurately conclude what they had really
18 found than they could; is that correct?

19 A. I had reviewed what they had written with the
20 conclusions they had drawn. And in comparing six
21 reports, I said that if you have drawn this conclusion
22 in three -- in two of three instances, since there are
23 no differences you should draw the same conclusion in
24 the third instance.

25 Q. That's what you told IBT?

1 A. Yes.

2 Q. And did they do what you told them?

3 A. Yes.

4 Q. Okay.

5 When some chemical causes a tumor in an animal,
6 is that what you would generally describe as a toxic
7 effect?

8 A. Yes.

9 Q. Okay. And that's true today as well as it was
10 in the 1970s, correct?

11 A. Yes.

12 Q. Okay. And when a chemical causes a toxic
13 effect, that's something that a chemical manufacturer
14 should take note of, is it not?

15 A. Yes.

16 Q. Okay. And is it true, Doctor, that after you
17 had recommended that IBT change the results of its
18 report on Aroclor 1254 to read, "Does not appear to be
19 carcinogenic," in place of "slightly tumorigenic," that
20 the final report made no reference in its conclusion to
21 the product being slightly tumorigenic, but only
22 referred to it as "Does not appear to be carcinogenic"?

23 MR. ANDREWS: Excuse me, Doctor. I'm
24 going to interpose an objection. That
25 question severely mischaracterizes his

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028538

1 prior testimony. You encompassed within
2 that question a statement that Monsanto
3 had asked IBT to change their results, and
4 that is specifically not what he testified
5 to before. And I object to you
6 recharacterizing his testimony. It's not
7 a proper question.

8 Q. (By Mr. Pohl) I don't want to have any
9 problems with your understanding of the questions. Did
10 you testify a little while ago that what you had asked
11 IBT to do was change the wording of the conclusion that
12 it had drawn from testing Aroclor 1254?

13 A. I believe I said to change the wording of the
14 conclusion to reflect what they had reported.

15 Q. Okay. Is it true, Doctor, that after you made
16 your request for this change in the wording of IBT's
17 conclusion in connection with its test of Aroclor 1254,
18 that the final report made no reference to Aroclor 1254
19 being slightly tumorigenic, but only concluded that it
20 does not appear to be carcinogenic?

21 A. The report lists every tumor that had been
22 found and continues to list it. Since the question was
23 whether 1254 was carcinogenic, the change was made to
24 reflect that statement more accurately.

25 Q. Okay. And, Doctor, you know that when I refer

NELL MCCALLUM & ASSOCIATES, INC.

1 to the conclusion of the report that that's a different
2 section of the report than the data that's usually
3 attached and supports the report? You know that, don't
4 you?

5 A. Yes.

6 Q. Okay. And let me repeat my question, in case
7 you didn't hear me. You know, don't you, Doctor, that
8 after you made your suggestion that the conclusion
9 "slightly tumorigenic" be deleted and that the
10 conclusion "Does not appear to be carcinogenic" be
11 inserted in place thereof, that the conclusion portion
12 of the final report made no reference whatsoever to
13 Aroclor 1254 being slightly tumorigenic; isn't that
14 true?

15 A. As I've indicated before, in comparing six
16 reports, IBT had changed two of the -- two of the three
17 reports to read "Does not appear to be carcinogenic."
18 The third report had not been changed by IBT. Since all
19 three studies were very comparable and the findings were
20 very similar, I merely requested that they be consistent
21 and express all three studies in the same way they had
22 already expressed two others.

23 Q. Now, Doctor, I'm going to have to object to the
24 responsiveness of your answer because that wasn't my
25 question. Let me ask the court reporter to read back my

1 question; and take a minute to consider it, if you need
2 to, and then please answer directly.

3 COURT REPORTER: "QUESTION: Okay.

4 And let me repeat my question, in case you
5 didn't hear me. You know, don't you,
6 Doctor, that after you made your
7 suggestion that the conclusion 'slightly
8 tumorigenic' be deleted and that the
9 conclusion 'Does not appear to be
10 carcinogenic' be inserted in place
11 thereof, that the conclusion portion of :
12 the final report made no reference
13 whatsoever to Aroclor 1254 being slightly
14 tumorigenic; isn't that true?"

15 MR. ANDREWS: Doctor, before you
16 respond, I'd level an objection to his
17 objection, because I think you did answer
18 the question. And merely because he's had
19 the court reporter read it back and ask
20 — you to reconsider it doesn't necessarily
21 mean you have to change your answer.

22 I'd like the court reporter to
23 read back your answer before you respond.
24 If you're satisfied with it, then the
25 record will stand. If you want to change

1 it, you are free to do so.

2 COURT REPORTER: Can we pause for a
3 moment?

4 VIDEO OPERATOR: We're off the
5 record.

6 (DISCUSSION OFF THE RECORD)

7 MR. ANDREWS: Just for continuity,
8 why don't you read the question and the
9 answer together and leave out the
10 commentary by the lawyers.

11 COURT REPORTER: "QUESTION: Okay. :
12 And let me repeat my question, in case you
13 didn't hear me. You know, don't you,
14 Doctor, that after you made your
15 suggestion that the conclusion 'slightly
16 tumorigenic' be deleted and that the
17 conclusion 'Does not appear to be
18 carcinogenic' be inserted in place
19 thereof, that the conclusion portion of
20 the final report made no reference
21 whatsoever to Aroclor 1254 being slightly
22 tumorigenic; isn't that true?

23 "ANSWER: As I've indicated before,
24 in comparing six reports, IBT had changed
25 two of the -- two of the three reports to

NELL MCCALLUM & ASSOCIATES, INC.

1 read 'Does not appear to be carcinogenic.'
2 The third report had not been changed by
3 IBT. Since all three studies were very
4 comparable and the findings were very
5 similar, I merely requested that they be
6 consistent and express all three studies
7 in the same way they had already expressed
8 two others."

9 Q. (By Mr. Pohl) Now, Doctor, since you've had --
10 since we've had the court reporter read back my question
11 to you and since I was asking you about the specific --
12 Aroclor study on what's called Aroclor 1254 that's made
13 the basis of the letters that I've shown you and that
14 you've just read, is the answer to my question -- what
15 is the answer to my question?

16 A. The same as I had just given.

17 Q. Okay. Well, again, let me see if I can refresh
18 your recollection.

19 MR. POHL: Would you mark this.

20 -- (EXHIBIT NO. 23 MARKED)

21 Q. (By Mr. Pohl) And so we can be clear about it,
22 the suggested change in wording on the conclusion of the
23 report that you are referring to in your letter dated
24 July 18, 1975, is on Aroclor 1254; is that correct?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028543

1 Q. Okay. And let me show you a page, revised page
2 from the Aroclor 1254 part, which is a revised summary
3 page; and let me ask you to read, first, into the record
4 the final one sentence paragraph of this report.

5 MR. ANDREWS: Before you respond,
6 Doctor --

7 Q. (By Mr. Pohl) And you may read it first, if
8 you'd like.

9 MR. ANDREWS: I'd note for the record
10 that you've been handed a dismembered one
11 page from apparently some report by
12 Bio-Test.

13 And I'd ask Mr. Pohl: Is it your
14 presentation that this is a true and
15 correct copy of a page from a Bio-Test
16 report?

17 MR. POHL: Yes, it is. The Aroclor
18 1254 report, to be exact.

19 THE WITNESS: Okay. I've read this.

20 - Q. (By Mr. Pohl) Can you tell me now, Doctor,
21 whether when the Aroclor 1254 report was revised, based
22 upon your suggestion, whether or not the revised report
23 noted that the Aroclor 1254 was slightly tumorigenic or
24 whether that phrase was dropped completely and the
25 report only stated that the product does not appear to

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028544

1 be carcinogenic?

2 A. Since I don't have the other piece of paper, I
3 can't make all the comparisons you requested. I think
4 what I said about the report accurately reflected the
5 work that was done. I would offer the finding that this
6 is a "spectrum of treatment-related histopathological
7 findings in the liver from this re-evaluation did not
8 differ significantly from that previously reported in
9 the original report."

10 Q. Well, Doctor, let me see if I can rephrase my
11 question. I'm not asking you about any other of the six
12 studies. I'm not asking you about any other Aroclor
13 report but the 1254 report. I'm not asking you anything
14 about the tables. I'm just asking you whether the
15 conclusion that was drawn by the report reflected that
16 the independent researchers had concluded that the
17 product was slightly tumorigenic. I hand you the
18 document to help refresh your recollection.

19 MR. ANDREWS: When you use the term
20 — "conclusion," are you meaning it to be
21 synonymous with summary? Because what you
22 are showing in here is a summary of the
23 report. It's not a conclusion.

24 MR. POHL: Well, within the summary
25 there is a paragraph that says "in

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028545

1 conclusion." It's there on the page.

2 A. I don't want to appear -- but I am confused.
3 What is the question that I'm dealing with now?

4 Q. (By Mr. Pohl) Okay. Same one I asked before.
5 Let me repeat it one more time.

6 The revised report on Aroclor 1254 made after
7 your suggestions as contained in your letter of July 18,
8 1975, did not conclude that the Aroclor 1254 was
9 slightly tumorigenic, but rather concluded only that it
10 does not appear to be carcinogenic; is that correct?

11 A. It includes a statement consistent with the
12 other two reports that was called to IBT's attention.

13 Q. Doctor, again, let me repeat my question,
14 because I don't want you to answer any questions that
15 you don't understand.

16 The revised report of Aroclor 1252 does not
17 conclude that the Aroclor 1254 was slightly tumorigenic,
18 but only concludes that it does not appear to be
19 carcinogenic. Is that true?

20 - A. The conclusion does not use the term
21 tumorigenic. In the summary, it does make reference to
22 the fact that Aroclor 1254 produced tumors.

23 Q. Okay. If you would, for the Court and jury,
24 read the final paragraph of the summary that begins with
25 the words "in conclusion."

NELL MCCALLUM & ASSOCIATES, INC.

1 A. "In conclusion, Aroclor 1254 does not appear to
2 be carcinogenic in rats fed for two years at levels up
3 to and including 100 PPM."

4 Q. All right. And is the phrase "Does not appear
5 to be carcinogenic," a verbatim quote of the language
6 that you wanted IBT to include in its report of its
7 testing of Aroclor 1254?

8 A. My request was "Does not appear carcinogenic."
9 It does not have -- okay, it does appear -- correct,
10 it's a verbatim quote.

11 Q. And so we can have that in one question and
12 answer on the record: The language that was used in the
13 revised IBT report is an exact verbatim quotation of
14 language suggested by you in your July 18, 1975 letter;
15 correct?

16 A. It's a request that they use language they had
17 used elsewhere and apply it to this study, yes.

18 Q. And I'm going to ask you a very simple
19 question, Doctor. The language that IBT uses in the
20 conclusion that you just read to the Court and jury is
21 an exact verbatim quote of language which you set forth
22 in your letter of July 18, 1975, is it not?

23 A. Yes.

24 Q. Thank you.

25 Now, the instance that we just quoted and the

1 report that we just read from was not the only instance
2 in which you requested that the wording of the
3 conclusion of a study of an Aroclor product by IBT be
4 changed, is it?

5 A. I do not recall.

6 Q. You don't recall whether you requested IBT to
7 change the wording of other reports, other conclusions
8 of its independent examinations of Aroclor products?

9 A. I do not recall. I do not recall making
10 changes. I have made editorial comments and requested
11 that they be consistent with their findings.

12 Q. Okay. But, of course, their finding on this
13 particular report of Aroclor 1252 -- 1254 was, quote,
14 "slightly tumorigenic," close quote, was it not? And
15 that was the reason that you wrote them and asked for
16 the change?

17 A. I was comparing -- I repeat, I was comparing
18 six reports. And I said, "You have expressed --
19 re-expressed these three reports in this manner for two.
20 And, for consistency, I think you should express the
21 third one in the same manner, since all three results,
22 conclusions, and data are very similar."

23 Q. And the three substances that you are talking
24 about are Aroclor 1242, Aroclor 1254, and Aroclor 1260;
25 is that correct?

1 A. Yes.

2 Q. And the fact is, in your own letter of July 18,
3 1975, you note that in two other instances you had had
4 IBT change the wording of the conclusions that it had
5 drawn from its own testing of the three Aroclor products
6 that you just recited. Look at the second paragraph.

7 A. No. I'm saying if you look at the six reports,
8 which are described in two columns, that three times
9 they had said "slightly tumorigenic." They had changed
10 two of those to read "Does not appear carcinogenic."
11 And I was requesting that they amend the third report to
12 be consistent with the changes they had already made in
13 the other reports.

14 Q. Who requested that the other two reports be
15 changed from "slightly tumorigenic" to "Does not appear
16 to be carcinogenic"?

17 A. I do not know.

18 Q. Was it you, Doctor?

19 A. I did not do it.

20 - Q. Are you sure?

21 A. Yes.

22 Q. And, of course, you knew, when you wrote your
23 letter on July 18 of 1975, that as early as 19 -- late
24 1974 and early 1975, there were reports by Dr. Kimbrough
25 of liver carcinogenicity in rat studies which she had

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028549

1 conducted on Aroclor products, correct?

2 A. On Aroclor 1260 at a specific high dose, yes.

3 Q. Okay. And you also knew, by the time you wrote
4 your letter on July 18, 1975, that both Drs. Donovan and
5 Gordon, a pathologist, and Dr. Ward Richter, a
6 pathologist, had concurred with Dr. Kimbrough's and
7 Dr. Squire's findings that Aroclor 1260 in high doses
8 caused liver cancer in rats?

9 A. Yes.

10 Q. Okay.

11 And the three test materials, again, that you
12 make reference to in your letter of July 18, were
13 Aroclor 1260, Aroclor 1254, and Aroclor 1242; is that
14 correct?

15 A. Yes.

16 Q. And Aroclor 1260 was one of those in which the
17 IBT-reported result of "slightly tumorigenic" was
18 changed to read "Does not appear carcinogenic." Is that
19 correct?

20 - A. That change was made by IBT.

21 Q. The report of the Aroclor 1260 study on rats
22 was one of the reports that was changed from "slightly
23 tumorigenic" to "Does not appear carcinogenic." Is that
24 true?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028550

1 Q. All right. And a cancer -- does a cancer
2 always have to be tumorigenic?

3 A. A cancer would have to be a tumor, yes.

4 Q. Okay. And a tumor wouldn't necessarily have to
5 be carcinogenic, would it?

6 A. That's correct.

7 Q. In other words, you could have tumors that
8 cause toxic effects in animals or that cause toxic
9 effects in humans that aren't carcinogenic?

10 A. That's correct.

11 Q. Okay. So as of the summer of 1975, there were
12 still changes and amendments being made to IBT studies
13 on Aroclor products which were being conducted for
14 Monsanto; is that true?

15 MR. ANDREWS: I object to the form of
16 that question. We've been discussing a
17 change in language in a summary section of
18 the report. The question is general and
19 vague, and I think is intentionally meant
20 - to imply that there were changes in other
21 sections of the report. There's no
22 evidence of that. I think it's unfair
23 mischaracterization in an attempt to
24 mislead the witness, and I object to it.

25 Q. (By Mr. Pohl) Let me modify my question

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028551

1 because I don't want to confuse you in any way, Doctor.
2 But at least in the summer of 1975, there was still some
3 change being made to one or more of the reports of IBT's
4 tests on Monsanto's Aroclor products; is that true?

5 A. The original Aroclor studies, the original rat
6 studies, had been completed. In '74 or '5, we were
7 doing additional work on tissues taken from those
8 animals in an attempt to resolve the question of
9 potential carcinogenicity in rodents. So I'd like to
10 make the distinction that the original reports in the
11 work that had been done was completed. We were doing
12 additional work over and above that which was planned;
13 namely, looking at additional liver sections that had
14 not previously been examined.

15 Q. Okay. And what was your answer this morning,
16 Doctor, when I asked you the question of when a test
17 report on a product was finally completed?

18 A. I said when the final report is issued.

19 Q. Okay. When was the absolute final report on
20 the Aroclor tests for Aroclor 1250, for 1260, and 1242
21 finally issued?

22 A. I don't recall the dates. I could not recall
23 the specific dates.

24 Q. But in any event, sometime after your letter of
25 July 1975 asking for changes in the wording with regard

1 to the conclusion of the Aroclor 1254 study; correct?

2 A. I'm trying to get -- there's a distinction I'm
3 trying to make. My discussion of those three reports is
4 dealing with the additional liver sections which had
5 been conducted by IBT, not the reports on the original
6 two-year studies themselves, which had less information
7 in them than these review sections.

8 Q. Well, the reports, as originally issued in all
9 three cases, 1242, 1254, and 1260, concluded that
10 Monsanto's Aroclor products were tumorigenic, did they
11 not?

12 A. I don't recall the specific wording of the
13 original reports.

14 Q. Well, they found that there were tumors in the
15 animals to which Aroclor had been administered; correct?

16 A. I don't recall the wording that was used or the
17 specific findings.

18 Q. Well, in any event, as I've just shown you from
19 your own documents, after you wrote your letter, each of
20 those three products, 1242, 1254, and 1260, was shown
21 not to be carcinogenic; is that correct?

22 A. That conclusion is referring to the
23 re-examination of all available livers from those
24 studies, not just the select small number originally
25 proposed in the original two-year study.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028553

1 Q. And when the change that you requested was made
2 by IBT, was the summary page of the report retyped to
3 reflect the conclusion that you recommended?

4 A. At this time, I can't recall the precise
5 wording of the final pages in the report.

6 Q. Okay. Well, let me show it to you again as
7 Levinskas Exhibit 23 and ask you if the summary page of
8 the report on the Aroclor 1254 was retyped to reflect
9 the change in wording that you had requested of IBT.
10 Look at the last paragraph of the summary.

11 A. What I was trying to say is that this summary--
12 is a summary for the review of the additional liver
13 sections which were reviewed after Kimbrough raised the
14 question of potential carcinogenicity of 1260. I do not
15 know that this is a summary which was put and reinserted
16 in the original two-year study. And so far as -- well,
17 I don't know that this refers to the review of the
18 additional liver sections.

19 Q. Did you ask IBT to redate its report to show
20 the date upon which the change was made that you had
21 requested?

22 A. We did not ask them, no.

23 Q. Well, how would you have expected them to do
24 that? What date would you have expected the revised
25 report to bear after the revision that you had requested

1 had been made?

2 A. I didn't give it any thought.

3 Q. You didn't care one way or the other?

4 A. I hadn't given it any thought.

5 Q. Well, now that you've had a chance to think
6 about it and we're here in 1987, how do you think -- how
7 do you -- how should the report on the Aroclor 1254 have
8 been dated?

9 A. Well, I'm not sure what the date is on this
10 document.

11 Q. Well, should it have been dated to reflect the
12 date that the change was made that you had requested; or
13 should they have just retyped the page but left the date
14 of the report the same -- same so that someone who just
15 picked up the report and read it might conclude that
16 sometime before Kimbrough made her conclusions, IBT had
17 done a study of some of the same materials and concluded
18 that they did not appear to be carcinogenic?

19 MR. ANDREWS: Wait a minute, before
20 - you answer that question. What the
21 witness has said two or three times is
22 that there are different reports. And
23 that is either not getting through to you,
24 or you are choosing to ignore it in an
25 effort to confuse the record. There are

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028555

1 reports that were completed and submitted
2 in 1971. There were re-evaluations of
3 additional liver sections that were done
4 in 1974. And those are separate reports
5 in this witness's mind. And when you
6 don't draw that distinction, it's making a
7 very unclear record.

8 Q. (By Mr. Pohl) Do you agree with what your
9 lawyer just said?

10 A. Yes.

11 Q. And you adopt that as your own testimony?

12 A. Yes.

13 Q. Good.

14 And if there were separate reports that were
15 prepared on the two findings, one in 1971 and one in
16 response to your change in the summer of 1975, they
17 should each bear the date of the preparation of that
18 particular document; true?

19 A. Yes.

20 - Q. Okay.

21 And Monsanto wouldn't be the kind of company
22 that would want to represent to anybody else that this
23 finding of "Does not appear to be carcinogenic," was
24 made anytime earlier than at least July 1975, when you
25 requested in your letter that it be made?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028556

1 A. I'm confused by the question.

2 Q. Monsanto is not the kind of a company that
3 would want the revised report, the separate report that
4 your lawyer just talked to you about, to be dated at an
5 incorrect date, would it?

6 A. I guess the answer is yes. We would want the
7 report to reflect the time sequence in the information.

8 Q. All right. And so if you requested the change
9 in your letter of July 18, 1975, and Dr. Calandra wrote
10 back to you on August 4 and said he'd make the change,
11 and the revised page of the report showing the change -
12 should be dated at least sometime after August of 19 --
13 August 1 of 1975, correct?

14 A. Yes.

15 Q. Thank you.

16 After you requested that the report on the
17 Aroclor Product 1254 be changed to read "Does not appear
18 to be carcinogenic," in lieu of "slightly tumorigenic,"
19 did Dr. Calandra have the pages retyped, the change
20 pages retyped and mailed to you?

21 A. I cannot recall.

22 MR. POHL: Would you mark that.

23 (EXHIBIT NO. 24 MARKED)

24 Q. (By Mr. Pohl) Let me show you Levinskas
25 Exhibit No. 24 and see if this document helps refresh

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028557

1 your recollection.

2 Do you recognize the Exhibit 24?

3 A. Yes.

4 Q. Is it a letter to you from J. C. Calandra?

5 A. Yes.

6 Q. And is it a letter enclosing three copies of
7 Page 2 of the revised laboratory report on the Aroclor
8 1254 study?

9 A. Yes.

10 Q. Okay. And what is the date of the letter
11 enclosed in the revised pages?

12 A. The date of the letter, August 8, 1975. And I
13 might add it refers to a revised laboratory report dated
14 March 24, 1975.

15 Q. And that's how many months before you even
16 requested the change to be made?

17 A. It is also four years after the original
18 two-year rat study.

19 Q. That's not my question.

20 A. To keep that distinction which we talked of
21 earlier.

22 Q. Sure. But my question to you was, Doctor: The
23 date that you wanted to make a reference to, March 24,
24 1975, was how many months before you even asked that the
25 change on this very study be made?

1 A. That's the date of the original report.

2 Q. When did you first request that the change
3 that's referred to in Dr. Calandra's letter of August 5,
4 1975, be made?

5 A. You have the date of the letter before you.

6 Q. Let me see if I can hand you your letter and
7 see if that refreshes your recollection.

8 A. July 18.

9 Q. So my question is: The date that Dr. Calandra
10 chose to put on the revised report is how many months
11 prior to the time that you even asked the change be -
12 made?

13 A. Not quite four months.

14 Q. All right.

15 A. Three months. I'm sorry. Three months.

16 Q. And the letter from Dr. Calandra enclosing the
17 revised pages reflecting the changes that you had asked
18 to have made was sent to you in August of 1975, correct?

19 A. Yes.

20 - Q. And how many months is that after the date that
21 Dr. Calandra put on that report, which is March of '75?

22 A. A little over four months.

23 Q. Almost five months?

24 A. I said a little over four.

25 MR. POHL: Would you mark this.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028559

(EXHIBIT NO. 25 MARKED)

1
2 Q. (By Mr. Pohl) Let me hand you Levinskas
3 Exhibit 25 and ask you to read that document. And let
4 me pass it to you and your lawyer.

5 Have you had a chance to read the letter,
6 Doctor?

7 A. Yes.

8 Q. That letter you wrote to Dr. Calandra?

9 A. Yes.

10 Q. And what's the date of the letter you wrote to
11 Dr. Calandra, marked Exhibit 25?

12 A. August 14, 1975.

13 Q. You note in your letter on the second page that
14 the page for the Aroclor 1254 report containing the
15 phrase "Does not appear to be carcinogenic," has been
16 received; correct?

17 A. Yes.

18 Q. So in your letter of August 14, 1975, you're
19 acknowledging receipt of the revised summary page to the
20 Aroclor 1254 study; correct?

21 A. Yes.

22 Q. All right. Now, in the balance of your letter,
23 are you complaining to Dr. Calandra about various
24 deficiencies which you observed from reading and
25 analyzing the Aroclor two-year rat feeding studies?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028560

1 A. I am calling to his attention a misnumbering of
2 animals where -- between the 1242 and the 1260 study.

3 Q. Why did you feel it was important to call to
4 Dr. Calandra's attention that the numbering system used
5 in the reports which he sent to you appeared to have
6 some errors in it?

7 A. The numbers were transposed.

8 Q. In other words, you were able to conclude that
9 the numbers were transposed?

10 A. Yes.

11 Q. Even though some of the animal numbers for the
12 1254 study showed to be three-digit animal numbers, but
13 some typing in the report had four digits and in some
14 cases only two digits?

15 A. Pointing out the differences in the numbering
16 systems and discrepancies that were typos and such, and
17 that different studies transposed numbers between
18 different studies.

19 Q. Would you agree that the way these studies were
20 written with these errors in the numbering systems used
21 to track the animals, that the reports were sloppily
22 prepared?

23 A. I would not conclude that.

24 Q. Isn't that the thrust of what you're
25 communicating to Dr. Calandra in your letter of August

1 14?

2 A. Typing, transposition letters.

3 Q. Why are numbers used in connection with study
4 animals on studies like the Aroclor studies?

5 A. Identity.

6 Q. So you can track an animal through the course
7 of the study?

8 A. Yes.

9 Q. Okay. And do generally all the animals at a
10 testing laboratory, even control animals, receive a
11 number?

12 A. Yes.

13 Q. Okay. And do sometimes control animals and
14 test animals receive numbers of different digits?

15 A. It would depend on the numbering system used.

16 Q. Okay.

17 You indicate in your letter, Doctor, that in
18 the report that -- reports that you received from IBT,
19 some of the same animals were shown to be -- by their
20 number, were shown to be test animals and also control
21 animals?

22 A. May I look at that? I'll go back to my earlier
23 comment. In the comparing the original reports versus
24 the additional liver sections, I say, "You have
25 transposed these animals. The description you gave of

1 those numbers in one report is not the same as the
2 description you gave in the second report. So you have
3 transposed and mixed up those groups or interchanged
4 those numbers." And I'm asking them to straighten them
5 out.

6 Q. All right. And one of the problems you point
7 out is that some of the animals are listed in one report
8 as being a test animal, but on a page of another report
9 they are shown as a control animal. Is that correct?

10 A. I'm saying the same numbers appear, yes.

11 Q. All right. And you list at least eight
12 instances of specific animals that were shown on one
13 report to be a test animal, and yet on another report
14 they were shown as a control animal. Correct?

15 A. I'm saying in two different reports, yes.

16 Q. The answer to my question is yes?

17 A. Yes.

18 Q. All right. And so the Court and jury will
19 understand, a control animal is one to whom the chemical
20 is not to be administered; is that true?

21 A. Yes.

22 Q. All right. And so if a sample were for some
23 reason taken from a control animal, then there is not a
24 likelihood that any finding in examining the tissues or
25 organs of the control animal could in any way be caused

1 by the toxic chemical or by the chemical that was
2 administered to the test animal; is that correct?

3 A. I'm afraid that in the torturous introduction
4 to the question, I lost it. Would you repeat it?

5 Q. Sure. Let me see if I can repeat it. If a
6 control animal were examined at the end of the test,
7 there would be nothing about that control animal that
8 would be of value -- well, let me back it up. Let me
9 back up and do this: The control animal is an animal
10 that's not exposed to the chemical being administered in
11 the test; is that correct?

12 A. That's correct.

13 Q. And if you are going to look for and study the
14 effects of the chemical on the type of animal being
15 tested, you would not take slides of or look at the
16 organs of the control animal, but you would look to the
17 test animal?

18 A. Yes.

19 Q. Did you know, in October of 1975, that
20 Dr. Gordon specifically disagreed with the conclusions
21 reached by Dr. Pour?

22 A. No.

23 MR. POHL: Okay. Let me ask the
24 court reporter to mark this document as
25 the next numbered exhibit.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028564

1 (EXHIBIT NO. 26 MARKED)

2 Q. (By Mr. Pohl) Let me pass to you what's been
3 marked as Levinskas 26 and ask you and your lawyer to
4 take a look at it.

5 Is that a letter that you received from
6 Dr. Gordon?

7 A. Yes.

8 Q. What is the date of that letter?

9 A. October 17, 1975.

10 Q. Would you read to the Court and jury the last
11 sentence of Paragraph 1, beginning with the words "I -
12 found"?

13 A. "I found his report interesting, although I do
14 not concur with his classification and interpretation of
15 some of the liver lesions."

16 Q. All right. And that's referring to Dr. Pour's
17 review of lesions in the livers of rats to whom Aroclor
18 had been administered?

19 A. Yes.

20 Q. All right. In December of 1975, were you still
21 making contact with IBT regarding further questions on
22 the Aroclor studies which had been conducted by IBT for
23 Monsanto?

24 A. That was a dozen years ago, and I really can't
25 put time frames on them.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028565

1 Q. Do you recall if you visited IBT at any time in
2 January of 1976?

3 A. I would not have specific recall of it.

4 MR. POHL: Would you mark that and
5 then this one.

6 (EXHIBIT NO. 27 MARKED)

7 (EXHIBIT NO. 28 MARKED)

8 Q. (By Mr. Pohl) Let me show you a document
9 marked Exhibit -- Levinskas Exhibit No. 27, and see if
10 it refreshes your recollection about questions which you
11 may have had regarding PCB papers.

12 Do you recall, having looked at that, whether
13 or not you had any questions at or about that time?

14 A. I cannot recall any questions that I might have
15 had at that time.

16 Q. Let me show you Levinskas Exhibit 28 and ask
17 you to read that document.

18 A. "Dr. Levinskas" -- this is an interoffice
19 correspondence from IBT. "Dr. Levinskas will be here on
20 Friday, January 9, 1976, to discuss the pathology
21 section of the PCB papers."

22 Q. Did you make that trip?

23 A. Underneath it says, "Dr. Levinskas has
24 rescheduled his trip for Thursday, January 15." I have
25 no -- I do not recall.

NELL MCCALLUM & ASSOCIATES, INC.

1 Q. Okay. What would be the reason why you would
2 be interested in reviewing any of the data, pathology
3 reports, or anything else that might pertain to IBT
4 studies of Aroclor products as late in time as January
5 of 1976?

6 A. I had indicated earlier that I had reviewed PCB
7 papers that Elmer Wheeler had given me. Redrafts, or
8 revisions, of those papers came to me a second time; and
9 I recall having reviewed them. At this time, whether
10 some question arose with respect to pathology that I
11 might have wanted to explore further, I cannot recall.

12 Q. Are PCBs the type of products that have a very
13 long half-life?

14 A. In what sense do you mean half-life?

15 Q. Well, what do you mean by the phrase? What do
16 you understand?

17 A. If it's a biological half-life, if they
18 persist, then the answer is yes.

19 Q. In January of 1976, did Monsanto do a review of
20 the toxicity and environmental effects of commercial
21 PCBs?

22 A. I specifically cannot recall.

23 Q. Did you do one?

24 A. I suspect I have, but I can't recall
25 specifically.

1 Q. Just let me hand you a document that's not been
2 marked as an exhibit yet. There is a page that has some
3 initials on the back of it. Are those your initials?

4 A. Those are my initials, but did I not make them.

5 Q. If you would, turn to the first page of the
6 document. Let me ask you if you prepared that report.

7 A. I did write sections of this.

8 Q. Okay. Which sections in particular do you
9 recall having authored?

10 A. In general, without specific wording, would be
11 the effects dealing with the animal studies.

12 Q. Okay. Physically describe those pages -- are
13 they the first two pages, first three pages, what
14 portions?

15 A. Pages -- essentially Pages 2, 3, parts of 4,
16 and part of 5.

17 Q. 2 and 3, and parts of 4 and 5; is that correct?

18 A. Yes.

19 Q. Who wrote Page 1?

20 A. I would not know.

21 Q. Well, who collaborated with you on the
22 preparation of this document that I've handed to you?

23 A. I couldn't -- at this time, I could not say
24 specifically.

25 Q. Are there anyone's initials on it besides your

1 own?

2 A. No. It says "File PCB," which is the topic.

3 Q. Is there anyone at Monsanto's initials that
4 worked with you had the initials E.T.W.?

5 A. E.T.W.?

6 Q. Or E.P. -- E.T. or E.P.W.?

7 A. E.P.W. could be Elmer P. Wheeler.

8 Q. Okay. And do you recall when it is you wrote
9 Pages 2 and 3 and parts of Page 4 and 5 of this report?

10 A. No.

11 Q. Well, was it before or after Renate Kimbrough-
12 discovered other possible carcinogenicity of Aroclor
13 products in rats in her studies?

14 A. It was after her studies.

15 Q. All right. Was it before or after Monsanto
16 made its presentations to various governmental persons
17 in approximately November of 1975?

18 A. I don't know, but probably after.

19 Q. Okay. There is a date on the top of it
20 handwritten, January 9, 1976, and again the initials
21 which may be E.P.W. What does that date bear to this
22 document?

23 A. I didn't put it on there. I don't know.

24 MR. POHL: Let's take a break while
25 she changes her tape, and I'll get you to

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028569

1 mark this as the next exhibit.

2 VIDEO OPERATOR: We're off the
3 record.

4 (BREAK RECESS)

5 (EXHIBIT NO. 29 MARKED)

6 VIDEO OPERATOR: We've been off the
7 record for a brief moment. We're back on
8 the record. It's 3:08 p.m.

9 Q. (By Mr. Pohl) Dr. Levinskas, with regard to
10 Exhibit No. 29 to your deposition, there are some what
11 I'll call footnotes or citations contained in the last-
12 two pages of that document, are there not?

13 A. Yes.

14 Q. And some of the citations include a work, for
15 example, in the year 1975. Do you see that?

16 A. Yes.

17 Q. So, this report that you prepared part of at
18 Monsanto that refers in the footnote to a work done in
19 '75 would have had to have been at least sometime after
20 1975; correct?

21 A. Yes, if the footnotes were part of the original
22 report.

23 Q. Well, let me represent to you that I'm
24 presenting the document to you just as your lawyers
25 presented it to us from Monsanto's business records,

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028570

1 each page of which is numbered consecutively; and these
2 last two pages I'm making reference to follow
3 consecutively from the previous pages, including the one
4 with your initials on it. Okay?

5 A. Yes.

6 Q. All right. On Page 1 of the report, and
7 continuing over to Page 2 of the report, there is a
8 topic entitled "Conclusions Based on Monsanto Studies."
9 Do you see that?

10 A. Yes.

11 Q. The first numbered paragraph of those
12 conclusions reads as follows, and I'll quote, Doctor:
13 "As a class, the Aroclors are relatively harmless
14 materials for routine industrial handling under ambient
15 conditions."

16 Now, sometime after 1975, at or about the time
17 that this paper was authored, marked Levinskas 29, did
18 you agree or disagree with that statement?

19 A. I think it's a valid statement then and now.

20 Q. Okay. So your position is today that Aroclors,
21 as a class, are a relatively harmless material?

22 A. Yes.

23 Q. Did you write the part of the report captioned
24 "Summary of Monsanto's Long-Term Toxicity Studies on
25 Commercial PCBs"?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028571

1 A. I believe I did.

2 Q. Okay. Did you make any reference in the part
3 of this report that you wrote that there were findings
4 that PCBs caused cancer in rats?

5 A. May I see that?

6 Q. Sure.

7 A. On Page 4, there are comments; and we make
8 reference to the Kimbrough study.

9 Q. Okay. Did you write the comments that are on
10 Page 4?

11 A. I do not recall. I probably did.

12 Q. Okay. I remembered that earlier you said you
13 wrote Pages 2, 3, and part of 4. And on Page 2 is the
14 section that commences "Summary of Monsanto's Long-Term
15 Studies," and it includes all of Page 3 and goes over
16 for part of Page 4?

17 A. Yes.

18 Q. You definitely recall writing that particular
19 part?

20 A. I have no specific knowledge of writing that,
21 but that is the sort of thing I would have written.

22 Q. The part of the report marked Levinskas Exhibit
23 29 that commences with the heading "Summary of
24 Monsanto's Long-Term Studies," that portion of the
25 report does not refer to any findings of carcinogenicity

1 with regard to Aroclor products tested on rats, does it?

2 A. It summarizes the results of the Monsanto
3 studies. It does not make reference to carcinogenicity
4 because none was seen.

5 Q. Except that that you've already told us that
6 was seen by Drs. Richter and Gordon at Industrial
7 Bio-Test, when they reviewed Dr. Kimbrough's slides;
8 correct?

9 A. My response was that was Monsanto's studies.
10 No carcinogenicity was seen in the Monsanto studies.

11 Q. But the Monsanto studies at IBT did show that
12 the Aroclor products 1242, 1248 -- I'm sorry 1260, 1254,
13 and 1242, caused tumors; correct?

14 A. Yes.

15 Q. Okay.

16 And I don't know, from just scanning the
17 document, if you reported in your section of the paper
18 that there were findings of tumorigenicity in the
19 Monsanto-sponsored studies. Did you report that?

20 A. We make the specific statement that no cancers
21 were observed in Monsanto studies.

22 Q. But did you report that tumors were found in
23 animals tested with Monsanto's Aroclor products at IBT?

24 A. The section of the document you are referring
25 to is labeled "Conclusions Based on Monsanto Studies."

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028573

1 And we are -- as I've said before, we saw no cancers in
2 the Monsanto studies.

3 Q. Okay. I'm not asking that question. You've
4 already testified that tumors can be different from
5 cancers, correct?

6 A. Yes.

7 Q. And you already testified that tumors is one of
8 the things that falls in the general definition of a
9 toxic effect, correct?

10 A. Yes.

11 Q. You didn't tell the reader of the report that
12 there were findings in Monsanto-sponsored studies that
13 these Aroclor products did produce tumors in the rats to
14 which the product was administered, correct?

15 A. On Page 3, the statement is made that the
16 heptaocellular alterations such as focal hypertrophy,
17 cytoplasmic lipid, and in some animals hepatomas or
18 cholangiohepatomas. We told them the tumors were
19 observed in the rat livers.

20 Q. Okay. That's what that means, what you just
21 read?

22 A. Yes.

23 Q. That means -- that's another way of telling
24 someone that Aroclor products can cause tumors in rats?

25 A. We were telling them exactly what we found in

1 those rats, yes.

2 Q. And who was it that was supposed to be the
3 recipient of this report on the toxicity and
4 environmental effects of commercial PCBs?

5 A. I can't recall at this time.

6 Q. Who directed that the report be written?

7 A. I cannot recall.

8 Q. Do you recall any of the people who were
9 recipients of the report?

10 A. No, I do not.

11 Q. Would customers have been potential recipients-
12 of the report?

13 A. I do not know.

14 Q. Under the section that you referred to a moment
15 ago entitled "Comments," a reference is made, is it not,
16 to a review of the Kimbrough results by Drs. Gordon,
17 Richter, and Pour?

18 A. Yes.

19 Q. Let me read this sentence to you, and tell me
20 if I read this correctly, talking about Kimbrough's
21 slides: "The slides were read independently by
22 Dr. D. Gordon of Industrial Bio-Test Laboratories,
23 Professor W. Richter of the University of Chicago, and
24 by Professor P. Pour of the Eppley Institute for
25 Research in Cancer."

 NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028575

1 Did I read that correctly?

2 A. Yes.

3 Q. "In addition, Dr. Pour evaluated the Kimbrough
4 slides and does not agree with the reported findings."

5 Is that correct?

6 A. That's correct.

7 Q. And so this paper is telling the reader that
8 Dr. Pour disagreed with the Kimbrough finding of
9 carcinogenicity in the Aroclor products, correct?

10 A. Yes.

11 Q. Where do you tell the reader that both
12 Dr. Gordon and Dr. Richter agreed with Dr. Kimbrough's
13 findings of carcinogenicity in her study? Show me that
14 language.

15 A. That is not in that summary.

16 Q. You nowhere state what Dr. Gordon and
17 Dr. Richter found and what they told you about in which
18 you wrote in your own memo, do you?

19 A. This is a summary of Monsanto's findings on its
20 studies.

21 Q. You nowhere state in this report what you wrote
22 in your memo about the findings of Dr. Gordon and
23 Richter, based upon a review of Dr. Kimbrough's slides;
24 correct?

25 A. Would you please rephrase the question? I had

1 a momentary lapse there.

2 Q. You -- nowhere in this report is stated the
3 conclusions which you set forth in your memo based upon
4 the review of Dr. Kimbrough's slides by Drs. Gordon and
5 Richter?

6 A. That's correct.

7 Q. Okay. And those findings by Drs. Gordon and
8 Richter, as reduced to writing by you in your memo, were
9 that the slides of Dr. Kimbrough which they examined
10 demonstrated carcinogenicity; is that correct?

11 A. They agreed that the rats from Dr. Kimbrough's
12 study had liver cancers.

13 Q. Okay.

14 MR. POHL: Would you mark this.

15 (EXHIBIT NO. 30 MARKED)

16 Q. (By Mr. Pohl) Let me show you Exhibit No. 30
17 to your deposition, Doctor. Please look at the
18 document.

19 Is this a letter from Dr. Gordon to you?

20 A. Yes.

21 Q. And what is the date of the letter?

22 A. February 18th, 1976.

23 Q. And did you receive the letter?

24 A. Yes.

25 Q. Dr. Gordon tells you in that letter that the

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028577

1 following: "I am enclosing the revised tumor tables for
2 the Aroclor paper that we discussed during your visit on
3 January 15, 1976"; is that correct?

4 A. Yes.

5 Q. So as of January of 1976, revised tables for
6 Aroclor papers are still being prepared; is that
7 correct?

8 A. May I look at this?

9 Q. Sure.

10 A. This letter did bring back something I'd
11 forgotten. What he is saying is that there are two
12 tables with the same data. My recollection of this is
13 that it was a matter in the structural arrangement of
14 the tables, that I suggested he recast them, keeping the
15 same information but in a different format which I felt
16 would be easier for the reader to follow.

17 Q. Okay. And what reader are you referring to?

18 A. This would be with respect to a publication in
19 the scientific literature of the Aroclor data.

20 Q. Why couldn't he print it in whatever form he
21 wanted to in a publication but leave the original test
22 report unchanged?

23 A. I have indicated that I was not changing his
24 information. I was suggesting a style, a format, in
25 which it would present it to the reader that I thought

1 would be more intelligible and easier for the reader to
2 follow.

3 Q. Okay. And were you suggesting that he make a
4 change in the paper that he was going to publish, or
5 were you suggesting that he make a change in the actual
6 report that IBT issued based upon its study of the
7 Aroclor products?

8 A. I think I've said before, and I'll repeat: I
9 asked him to recast his information into a different
10 form, retaining the same information, the same words,
11 merely rearranging it in a form that I felt would be
12 easier for a reader to follow.

13 Q. And I accept that, and I'm not trying to
14 quarrel with you in any way about that, Doctor. What I
15 want to know is: What were you suggesting that he
16 recast? The tables for the paper that he was going to
17 publish in the scientific literature, or the underlying
18 rat study on Aroclor products itself?

19 A. I thought I had indicated that it was recast
20 the information in a paper to be presented for
21 publication.

22 Q. Okay. I just wanted to make sure that nobody
23 was confused by this letter. You weren't intending to
24 apply there was any change whatsoever to the underlying
25 Aroclor studies; is that correct?

1 A. That is correct. He says, "revised tumor
2 tables for the Aroclor paper," not publication or not
3 report.

4 Q. That's what I'm asking you. "Paper" refers
5 only to the publication, not to the document evidencing
6 IBT's report?

7 A. That's correct.

8 Q. Thank you.

9 MR. POHL: Would you mark this
10 document.

11 (EXHIBIT NO. 31 MARKED)

12 Q. (By Mr. Pohl) Dr. Levinskas, let me show you a
13 document that's been marked Exhibit No. 31 to your
14 deposition and ask you to look at that document.

15 Did you author Exhibit No. 31?

16 A. Yes.

17 Q. Okay. And it's a report about a spill of some
18 PCB fluids in the Philadelphia area, correct?

19 A. Yes.

20 — Q. Okay. And you say in there that you told
21 someone who had inquired about the spill that there was
22 no need for respiratory protection for the clean-up
23 crews; is that correct?

24 A. That's correct.

25 Q. On what basis did you make that statement?

1 A. The nature of the exposure, the temperatures
2 involved, the conditions of exposure were such that
3 there was -- I saw no need for it.

4 Q. And this was in 1978?

5 A. 1978.

6 Q. And you were talking specifically about Aroclor
7 1260?

8 A. That is the nature -- was the subject inquired
9 about, yes.

10 Q. You also say that you saw no reason for concern
11 about the health of employees' families from possible --
12 contact with PCB-contaminated work clothes; is that
13 correct?

14 A. That's correct.

15 Q. And why is that?

16 A. Again, based on the nature of the exposure and
17 degree of exposure.

18 Q. Had you done any studies or read any literature
19 which would indicate to you whether or not PCBs could be
20 absorbed through the skin?

21 A. I believe PCBs would be absorbed through skin,
22 yes.

23 Q. So if someone were handling the clothes of a
24 workman who had spilled PCBs on him, they could absorb
25 those PCBs through their skin; is that correct?

 NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028581

1 A. I doubt there would be appreciable absorption.

2 Q. I'm not asking you if there would be
3 appreciable absorption. I'm saying that if the spouse
4 of a workman handled PCB-contaminated work clothes, she
5 could absorb the PCBs into her skin; correct?

6 A. In so far as she could absorb an oil, she
7 could, yes.

8 Q. Are you aware of efforts by the EPA to clean up
9 places where PCBs have been spilled?

10 A. I have read some newspaper accounts of same.

11 Q. And that's occurring even as of this point in
12 time, correct?

13 A. I would assume so.

14 Q. Okay. And where PCBs are found to have been
15 spilled on the ground or to be accumulated in a pond or
16 a pool, efforts are made to clean up and dispose of
17 those PCBs, aren't they?

18 A. Some efforts are made.

19 Q. And does Monsanto encourage those efforts?

20 — A. I do not know what Monsanto's attitude is to
21 it.

22 Q. Do you know how the PCBs are disposed of, once
23 they are collected?

24 A. Again, beyond what I read in the newspapers,
25 no.

1 Q. Okay. You indicate in here that the clean-up
2 crews need no respiratory protection and that family
3 members of workmen who have been in contact with PCBs
4 don't have to worry about handling the contaminated work
5 clothes, correct?

6 A. Yes.

7 Q. Okay. What kind of clothing do workmen working
8 for the EPA or for people contracted with the EPA wear
9 when they clean up PCBs that have been spilled in the
10 environment?

11 A. I do not know.

12 Q. Why would they wear the equivalent of a moon
13 suit with respirators when they clean up PCBs, Doctor?

14 A. I don't know that they do wear moon suits.

15 Q. Why would they care about inhaling PCBs, if
16 they're totally harmless?

17 MR. ANDREWS: I'm objecting to that
18 question. He's answered the two previous
19 questions that he's not familiar with what
20 — gear people use under the circumstances
21 you've described, and you are simply being
22 argumentative.

23 Q. (By Mr. Pohl) Well, did you make any
24 investigation as to what particular type of protective
25 clothing should be worn before you told the people who

1 were inquiring of you in November of 1978 that there was
2 no need for respiratory protection of the clean-up
3 crews?

4 A. No.

5 Q. Okay. So that's just something that you
6 concluded yourself without making any independent
7 investigation, correct?

8 A. On the basis of general knowledge, the degree
9 and conditions of exposure, those statements were made.

10 Q. Okay. And would that be your position today?

11 A. Yes.

12 Q. Okay. And you would disagree with someone who
13 would say that workmen should be protected by
14 respirators from exposure to PCBs, correct?

15 A. My statement is that those conditions described
16 in that memo did not require protective equipment.

17 Q. Okay. And would you also stand by the
18 statement today that you made in your memo that you saw
19 no reason for concern about the health of employees'
20 families from possible contact with PCB-contaminated
21 work clothes?

22 A. In that context of that memo, yes.

23 Q. Why, then, Doctor, did Monsanto warn its own
24 employees not to have any PCBs come in contact with
25 their skin, while they were working for Monsanto?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028584

1 A. The exposure conditions undoubtedly were
2 different.

3 Q. Well, why did Monsanto provide separate lockers
4 for workmen's work clothes and their street clothes, if
5 it didn't make any difference --

6 A. I do not know the conditions under which our
7 workers worked in the plants with PCBs.

8 Q. You never did any studies to see what
9 procedures Monsanto established for the protection of
10 its own employees who were exposed to PCBs?

11 A. I was not familiar with those procedures.

12 Q. All right. And not being familiar with those
13 procedures that Monsanto employed to protect its own
14 workmen, you nevertheless felt competent to advise Joan
15 Ranson, an industrial hygienist from OSHA in
16 Philadelphia, about how to handle a PCB spill in the
17 Philadelphia area?

18 A. With respect to the conditions of that spill, I
19 felt competent to advise her.

20 — Q. Would there be any difference about this
21 particular spill in Philadelphia -- well, let me put the
22 question to you this way, Doctor: If someone came in
23 contact with some of the transformer fluid that
24 contained what you call Aroclor 1260, in the
25 Philadelphia spill, what would differentiate that

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028585

1 with -- from someone coming in contact with the same
2 Aroclor 1260 in the workplace?

3 A. Could be any number of variables.

4 Q. Under what circumstances did you think it was
5 appropriate for somebody to allow PCBs to come in
6 contact with their skin, in November of 1978?

7 A. With respect to the situation described to me
8 in that memo, I felt that no protective equipment was
9 necessary.

10 Q. Well, you also said, in addition to no
11 protective equipment, that you saw no reason for concern
12 about the health of employees' families from possible
13 contact with PCB-contaminated work clothes. Is that
14 correct?

15 A. That's correct.

16 Q. And by that --

17 MR. ANDREWS: Mike, that same
18 question has been asked and answered about
19 two or three times. You are just being
20 -- argumentative with the witness. He's
21 given you fair and succinct answers to
22 your questions. Let's move on to some new
23 ground.

24 MR. POHL: Let me finish this line of
25 inquiry first.

1 Q. (By Mr. Pohl) In making that statement in your
2 memo of November 1978, were you referring to family
3 members such as a wife actually coming in physical
4 contact with the work clothes of her husband that might
5 have been contaminated with PCBs?

6 A. I saw no reason for concern from the possible
7 contact with PCB-contaminated work clothes by employees'
8 families, yes.

9 Q. Okay.
10 And as of November '78, you had no knowledge
11 whatsoever of what precautions Monsanto implemented, if
12 any, for its workmen to prevent them or their family
13 members from having actual physical contact with PCBs;
14 correct?

15 A. I have indicated I am not aware of the
16 conditions in the workplace.

17 MR. POHL: Would you mark this.

18 (EXHIBIT NO. 32 MARKED)

19 Q. (By Mr. Pohl) Let me show you Levinskas
20 Exhibit No. 32 and ask you to look at that document,
21 sir.

22 Are you familiar with that document?

23 A. I recall parts of it.

24 Q. Okay. And is that your -- are those your
25 initials appearing on the first page of Levinskas

1 Exhibit 32?

2 A. Yes.

3 Q. Okay. And in the attachment to that that
4 you're discussing with -- is it Dr. Roush?

5 A. Dr. Roush.

6 Q. Dr. Roush, you refer, in the third full
7 paragraph on Page 1 marked Document No. 019717, to the
8 contaminating impurities or by-products of Aroclor.
9 What are you referring to there?

10 MR. ANDREWS: I object to that
11 question. That's clearly not what this
12 paragraph is saying. It's not talking
13 about by-products of Aroclor.

14 Q. (By Mr. Pohl) Just tell me in your own words
15 what it's talking about.

16 A. The document says that there are PCBs made by
17 different concerns or from different parts of the world.
18 And it says the quality, the goodness, if you will, of
19 the test material may vary because of either
20 contaminating impurities or by-products.

21 Q. Okay. Well, are you referring to products
22 other than Aroclor; or were you also making reference to
23 Aroclor in that paragraph?

24 A. I would say that's a general term saying
25 comparisons between products are difficult, because one

1 is not aware in all cases; one does not have the same
2 information in all cases.

3 Q. And when you speak of contaminating impurities
4 or by-products, are you excluding from that Aroclor; or
5 are you including Aroclor?

6 A. It would include everything.

7 Q. Because I see the word Aroclor, the name
8 Aroclor used in the same paragraph. So I assume you are
9 not trying to exclude Aroclor from your statement about
10 contaminating impurities or by-products, are you?

11 A. While not excluding it, it is by no means
12 conversely an admission or statement that there are
13 impurities or by-products in Aroclors.

14 Q. Do you know one way or the other whether or not
15 there are any impurities and contaminants in Aroclor?

16 A. I don't concern myself with the impurities.

17 Q. You don't know one way or the other, then?

18 A. Don't know.

19 Q. So you wouldn't be in a position to say whether
20 or not there were impurities in Aroclor, correct?

21 A. Yes, correct.

22 Q. On the last page of this document there is a
23 part of a sentence which reads as follows: "It is
24 concluded that the continued use of Aroclors" -- and
25 then inserted the numbers 1242 and 1254 -- "as

1 dielectric fluids will not present an unreasonable human
2 health hazard."

3 Do you see that?

4 A. Yes.

5 Q. And there's a line drawn all the way around
6 that. Why was that particular portion singled out?
7 What was the purpose of putting it in a box like that?

8 A. I did not box it, and I did not add the
9 numbers.

10 Q. And so you don't know?

11 A. I don't know.

12 Q. Okay.

13 MR. POHL: Would you mark that.

14 (EXHIBIT NO. 33 MARKED)

15 Q. (By Mr. Pohl) Let me show you Exhibit 33 to
16 your deposition and ask you to review that document.

17 Do you recognize that document?

18 A. Yes.

19 Q. And you're one of the people who received the
20 document?

21 A. Yes.

22 Q. Okay. And this -- who is D. R. Bishop?

23 A. Mr. Bishop was in the public relations
24 department of Monsanto Company.

25 Q. And the purpose of this document dated November

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028590

1 17, 1975, was to have Monsanto issue a press release
2 summarizing Dr. Pour's re-evaluation of Dr. Kimbrough's
3 Aroclor study?

4 A. Yes.

5 MR. ANDREWS: Excuse me, Mr. Pohl,
6 what is the exhibit number on that?

7 MR. POHL: 33.

8 Q. (By Mr. Pohl) And the document makes reference
9 to distributing this news release in Chicago on
10 Wednesday following Dr. Kimbrough's presentation; is
11 that correct?

12 A. Yes.

13 Q. And was that a seminar or speech where
14 Dr. Kimbrough was going to present the results of her
15 Aroclor studies on rats?

16 A. My recollection is that was a symposium held on
17 PCBs in Chicago.

18 Q. Okay. And as part of that symposium, was
19 Dr. Kimbrough going to present her findings of
20 carcinogenicity in connection with Aroclor products
21 administered to rats?

22 A. Yes.

23 Q. Okay. And so in connection with
24 Dr. Kimbrough's presentation of carcinogenicity in
25 connection with her studies, you were going to --

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028591

1 Monsanto was going to issue a news release pertaining to
2 Dr. Pour's re-evaluation of her study; correct?

3 A. Yes.

4 Q. Okay. You didn't -- Monsanto didn't issue a
5 news release about Dr. Gordon's or Dr. Richter's
6 findings that they concurred in Dr. Kimbrough's finding
7 of carcinogenicity, did you?

8 A. We did not.

9 Q. Okay. And after talking to Dr. Pour, he
10 objected to your mentioning his name or the Eppley
11 Institute's name in connection with this news release;
12 correct?

13 A. Not completely correct.

14 Q. Well, correct me.

15 A. Dr. Pour had no reservation about our issuing
16 the information. He, however -- and he was willing to
17 answer questions directed to him by individuals, but he
18 did not want either himself or the Eppley Institute to
19 be engaged in a public debate on a controversial
20 subject.

21 Q. And Dr. Pour, of course, was the one that
22 Monsanto had retained who disagreed with Dr. Kimbrough's
23 findings of carcinogenicity with regard to Aroclor
24 products; correct?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028592

1 Q. All right. So Monsanto's purpose in this news
2 release was to present Dr. Pour's position that
3 controverted what Dr. Kimbrough had found in her study
4 but not to present the fact that Monsanto knew that
5 Dr. Gordon and Dr. Richter concurred with
6 Dr. Kimbrough, correct?

7 A. We were attempting an objective appraisal and a
8 presentation of all the information, yes.

9 Q. Objective. Is that the word you used?

10 A. Yes.

11 Q. Would that be the same to you as fair?

12 A. Objective in a scientific sense means the best
13 possible information we can develop.

14 Q. Okay. And you had three people look at
15 Dr. Kimbrough's findings of carcinogenicity with regard
16 to the Aroclor products, correct?

17 A. Yes.

18 Q. Those were Dr. Richter?

19 A. Yes.

20 — Q. Dr. Gordon?

21 A. Yes.

22 Q. Dr. Pour?

23 A. Yes.

24 Q. Two of the three concurred with Dr. Kimbrough's
25 findings of carcinogenicity, did they not?

· NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028593

1 A. Yes.

2 Q. And in the news release that Monsanto was going
3 to issue in November of 1975, it presented only the
4 findings of Dr. Pour; correct?

5 A. Yes.

6 Q. The only one of the three that concurred,
7 apparently, with Monsanto's position; correct?

8 A. Dr. Pour was the only one of the group who had
9 looked at all of the IBT slides and had looked at all of
10 Kimbrough's slides, every available slide in both
11 studies; and, therefore, he was in the best position to
12 draw an overall conclusion comparing both studies.

13 Q. I see. So you are telling me that Dr. Gordon
14 didn't write the pathological section of every one of
15 the Aroclor studies?

16 A. No. I'm saying Dr. Pour was the only
17 individual who had looked at all of Kimbrough's slides
18 and all of the IBT slides. The IBT pathologists had
19 looked at the IBT slides, and they had reviewed selected
20 slides with Dr. Kimbrough that she had prepared for
21 them.

22 Q. Okay.

23 A. But had not reviewed all of them.

24 Q. And, of course --

25 A. Dr. Kimbrough had looked at IBT slides but had

1 not reviewed every IBT slide.

2 Q. All right. And you know that Drs. Richter and
3 Gordon had looked at enough of Dr. Kimbrough's slides
4 where they could conclude in their own report to you at
5 Monsanto that they concurred in Dr. Kimbrough's findings
6 of carcinogenicity in connection with Aroclor studies
7 done on rats, correct?

8 A. They concurred with her conclusions.

9 Q. Okay.

10 A. With her diagnosis.

11 Q. And you were going to state to the news media -
12 that Dr. Pour had re-evaluated Dr. Kimbrough's study,
13 and he disagreed with her findings; correct.

14 A. We were going to state that Dr. Pour had
15 reviewed all available liver sections, and he was giving
16 his professional judgment as to his observations.

17 Q. Okay. And were you going to tell the news
18 media that Dr. Pour agreed or that he disagreed with
19 Dr. Kimbrough's finding of carcinogenicity?

20 A. I don't have a copy of the news release in
21 front of me. I can't recall that point.

22 Q. You don't recall whether Dr. Pour -- whether
23 Monsanto's news release was going to say that Dr. Pour
24 agreed or disagreed with Dr. Kimbrough?

25 A. We were going to talk to Dr. Pour, that he had

1 viewed all the slides of all the livers; and his
2 conclusions were to be presented, yes.

3 Q. And you don't remember what Dr. -- you do not
4 remember what Dr. Pour's conclusions were?

5 A. Dr. Pour concluded that he did not concur with
6 the diagnosis. He said, "I have certain sections of
7 tissues that are undergoing dynamic change, or were
8 undergoing change. I have a static piece of tissue. I
9 can't tell whether it is going to -- you know, it's a
10 static piece of tissue." He says, "I'd have to know
11 more about this before I can make a complete diagnosis-
12 of that tissue."

13 Q. So Dr. Pour would have to know more before he
14 could conclude that the Aroclor had caused liver cancer
15 in the rats?

16 A. That was his conclusion.

17 Q. Okay. But Drs. Richter and Ward had
18 communicated to you that they had seen enough --

19 A. Yes.

20 -- Q. -- to convince them that the Aroclor was a
21 carcinogen, with regard to the Kimbrough rat study;
22 correct?

23 A. They concurred with her diagnosis, yes.

24 Q. Okay. Did the news release issued by Monsanto
25 say "that a newly completed scientific report,

1 commissioned by the St. Louis-based company, does not
2 confirm the presence of malignant liver tumors in
3 experimental rats fed a commercial polychlorinated
4 biphenyl mixture"?

5 A. The news release you're referring to was not
6 released.

7 Q. But the news release that Monsanto wanted to
8 issue to the public in connection with the Chicago
9 symposium on PCBs was that, according to Monsanto, a
10 newly completed scientific report does not confirm the
11 presence of malignant liver tumors in experimental rats
12 fed a commercial polychlorinated biphenyl mixture;
13 correct?

14 A. That was a proposed news release.

15 Q. Well, what was the newly completed scientific
16 report?

17 A. The Pour report that reviewed all of the liver
18 sections from the Kimbrough study and all of the IBT
19 liver sections.

20 — Q. But Dr. Pour didn't do any independent
21 investigation in terms of testing animals?

22 A. He's a pathologist. He reviewed sections of
23 the liver that had been reviewed by other pathologists.
24 He was recommended to us by the people at the Eppley
25 Institute for Cancer Research.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028597

1 Q. And when the proposed news release that
2 Monsanto was going to issue in connection with
3 Dr. Kimbrough's speech in Chicago said that this report
4 by Dr. Pour does not confirm the presence of malignant
5 liver tumors and goes on to say a conclusion that had
6 been previously reached and widely reported by
7 Dr. Kimbrough, Monsanto was taking the position that it
8 disagreed with Dr. Kimbrough's findings? Is that
9 correct?

10 A. If that's what the news release states.

11 Q. And Monsanto was disagreeing with the findings
12 of Dr. Kimbrough, even though you, as a toxicologist
13 employed by Monsanto, knew that both Dr. Gordon and
14 Dr. Richter had agreed with Dr. Kimbrough's findings;
15 correct?

16 A. I have indicated that in her study Kimbrough
17 found liver tumors in her rats. There is other
18 information, such as the IBT data, which does not
19 indicate findings of carcinogenicity. The attempt is to
20 arrive at a resolution or understanding of the issue.

21 Q. And it was Monsanto's position that
22 Dr. Kimbrough was incorrect in her conclusions?

23 A. She drew her conclusions.

24 Q. And Monsanto disagreed, as of November 1975;
25 correct?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028598

1 A. Based on the Pour report, yes.

2 Q. And you knew at that time that Drs. Richter and
3 Gordon had agreed with Dr. Kimbrough, that they had also
4 examined Dr. Pour's conclusions and, nevertheless, still
5 agreed with Dr. Kimbrough's findings; is that correct?

6 A. When you say had examined Pour's findings and
7 nevertheless still agreed with Kimbrough, that sequence
8 I'm not -- unprepared to answer. Dr. Pour was an expert
9 pathologist in cancer, recommended to us by the people
10 at the Eppley Institute for Cancer. Because of his
11 expertise and position, we accepted his conclusions.

12 Q. Did you reject the conclusions of Drs. Richter
13 and Gordon?

14 A. Dr. Richter and Gordon, as I recall, concluded
15 that they did not see cancer in the Monsanto studies.

16 Q. I'm not asking you about that. They concluded
17 that they saw cancer in the Kimbrough studies, true?

18 A. They saw some cancers; but they did not view
19 all of the sections, all liver sections, to get an idea
20 whether those were real or spontaneous.

21 Q. I'm not trying to make this point difficult,
22 Doctor, but the sequence of events is that Drs. Richter
23 and Gordon reviewed the Kimbrough slides of her study
24 and they agreed with her findings of carcinogenicity.
25 True?

1 A. They concurred with some of her diagnoses.

2 Q. After that, Monsanto had Dr. Pour examine
3 Dr. Kimbrough's slides; correct?

4 A. Yes.

5 Q. He also examined IBT slides; correct?

6 A. Yes.

7 Q. Dr. Pour disagreed, according to you, with
8 Dr. Kimbrough's findings; correct?

9 A. Yes.

10 Q. You had Drs. Richter and Gordon review
11 Dr. Pour's findings; correct?

12 A. Yes -- no. They were -- certainly, the Pour
13 findings were made available to IBT for their -- to
14 Gordon and Richter for their information, yes.

15 Q. And you knew, based upon a communication that
16 you've already testified today, you knew, didn't you,
17 that Drs. Gordon and Richter disagreed with Dr. Pour's
18 findings and reaffirmed their --

19 A. No.

20 -- Q. -- concurrence with Dr. Kimbrough's findings,
21 correct?

22 A. He says, "I do not concur with his
23 classification and interpretation of some of the liver
24 lesions." I think that's less binding than disagreed.

25 Q. You are not trying to tell the Court and jury

1 that when Dr. Gordon says that he and Dr. Richter do not
2 concur with Dr. Pour's classification and interpretation
3 that that somehow expresses some sort of agreement with
4 Dr. Pour's findings, are you?

5 A. I do not see that Dr. Gordon makes a reference
6 to Dr. Richter's statement in there. Gordon, speaking
7 for himself, says, "I do not concur with some of his --
8 with his classification."

9 Q. All right. Let me just read this sentence that
10 I'm referring to in this letter from Dr. Gordon to you:
11 "I found his report interesting, although I do not
12 concur with his classification and interpretation of
13 some of the liver lesions."

14 Okay? Does that imply to you that Dr. Gordon
15 agreed with Dr. Pour's findings or disagreed with
16 Dr. Pour's findings?

17 MR. ANDREWS: Or can you tell, from
18 that sentence?

19 MR. POHL: Yeah.

20 — A. He says he doesn't concur. He doesn't indicate
21 what he doesn't concur with or -- he says -- he uses two
22 phrases, the classification and the interpretation. He
23 doesn't point out his distinctions or his differences.

24 Q. (By Mr. Pohl) When somebody tells you they
25 don't concur with somebody else's findings, what does

1 that mean to you?

2 A. I would expect an indication of where the
3 nonconcurrence is.

4 Q. Okay. I'm not asking you that much detail.
5 Just as a general matter, just as a layman. Forget that
6 you're a Ph.D. in toxicology. When somebody --

7 MR. ANDREWS: Before you answer this
8 question, Doctor, you can answer in as
9 much detail as you feel is necessary.
10 Simply because he's asked you -- and he
11 characterized his statement by saying that
12 "I don't want a lot of detail," you are
13 free to answer the question any way you
14 want to to make it meaningful.

15 Q. (By Mr. Pohl) What do you understand when
16 someone tells you they do not concur?

17 A. That there's -- they may not be in full
18 agreement.

19 Q. Okay. But you won't go so far as to say that
20 it implies to you disagreement?

21 A. I think disagreement is a stronger word than
22 concur -- or not concur.

23 Q. But you knew, at least as of October '75, that
24 Dr. Richter and Dr. Gordon had reviewed Dr. Kimbrough's
25 slides and, in their opinion, found carcinogenicity and

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028602

1 had the opportunity to review Dr. Pour's work, and
2 Dr. Gordon reported that he did not concur with
3 Dr. Pour's classification and interpretation?

4 MR. ANDREWS: Object to that
5 question. It's compound, multifarious,
6 been asked and answered half a dozen
7 times, global, vague, and
8 incomprehensible.

9 A. I would agree with counsel's characterization.

10 Q. (By Mr. Pohl) Okay. But go ahead and answer
11 the question.

12 A. Would you break it into manageable pieces?

13 Q. When Monsanto's proposed press release was to
14 be issued in November of 1975, Monsanto knew that two of
15 the three pathologists that Monsanto had retained to
16 review Dr. Kimbrough's slides agreed with
17 Dr. Kimbrough's analysis; correct?

18 A. Monsanto had retained one pathologist,
19 Dr. Pour. The other two had been retained by IBT. They
20 were IBT's employees.

21 Q. Just so we don't quibble about this, who was
22 paying IBT to do this work?

23 A. Monsanto was; but the obligation or the
24 responsibility of IBT's pathologists is to IBT, as
25 distinct from Pour.

1 Q. Well, if it will help you, let me rephrase my
2 question. Monsanto knew, in November 1975, that three
3 pathologists had reviewed the slides of Dr. Kimbrough,
4 one hired directly by Monsanto and one hired by the
5 independent laboratory hired by Monsanto, and that two
6 of those three concurred with Dr. Kimbrough's findings
7 of carcinogenicity; correct?

8 A. Two of the three concurred with some of
9 Dr. Kimbrough's diagnoses. The one who was the expert
10 in cancer, who was recommended to us by the Eppley
11 Institute of Cancer, his judgment and experience were -
12 considered to be superior; and, therefore, his
13 conclusions were the ones Monsanto elected.

14 Q. Okay. So what happened is that Monsanto
15 accepted Dr. Pour's conclusions that he disagreed with
16 the finding of Dr. -- findings of Dr. Kimbrough and
17 rejected the conclusions of Drs. Gordon and Richter?

18 A. We accepted the conclusions of the most
19 experienced individual who had reviewed the information
20 and was the only man who had looked at all of the liver
21 slides in all studies.

22 Q. My question wasn't that complicated, Doctor.
23 My question was: What Monsanto did was accept the
24 findings of Dr. Pour and reject the findings of
25 Drs. Gordon and Richter. Is that correct?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028604

1 A. In scientific judgments, I'm not sure that
2 acceptance and rejection in a numerical counting scheme
3 is a way to approach scientific truths. It's an
4 assessment of all the available information, including
5 the judgment and experience of the individuals involved.

6 Q. Okay. In weighing all that, Monsanto made a
7 decision. It decided to accept the findings of Dr. Pour
8 and reject the findings of Drs. Gordon and Richter?

9 A. We agreed to accept the conclusions of
10 Dr. Pour.

11 Q. And in your news release, you made -- you
12 intended to make no reference to the contrary findings
13 of Drs. Gordon and Richter; correct?

14 A. That's correct.

15 Q. All right. And in addition to Drs. Gordon and
16 Richter concurring with Dr. Kimbrough's findings, you
17 also knew that Dr. Squire concurred with Dr. Kimbrough's
18 findings; correct?

19 A. Dr. Squire had devised a new -- if I may use --
20 classification scheme that Don Gordon refers to, and it
21 was the classification scheme that he had devised that
22 Kimbrough used to grade her lesions. So there should be
23 consistency between the two of them.

24 Q. Okay. And so you knew, then, that
25 Dr. Squire -- Squire's findings with regard to the

1 Kimbrough slides were consistent with the findings of
2 Dr. Kimbrough, correct?

3 A. Since Dr. Kimbrough used her scheme for
4 classification, her findings were consistent with his
5 classification.

6 Q. Okay. So in connection with Dr. Kimbrough's
7 study on Aroclor products that were administered to
8 rats, there are at least four people, then, that we can
9 identify today who concurred with Dr. Kimbrough's
10 findings: They were Dr. Kimbrough herself, Dr. Squire,
11 Dr. Gordon, and Dr. Richter. Is that correct?

12 A. Yes.

13 Q. Thank you.

14 Now, there's no mention made of any of these
15 other four people that you just identified, other than
16 Dr. Kimbrough, in Monsanto's proposed press release of
17 November 1975; is that correct?

18 A. That's correct.

19 Q. Okay.

20 — MR. POHL: Would you mark this
21 document.

22 (EXHIBIT NO. 34 MARKED)

23 Q. (By Mr. Pohl) Let me show you a duplicate of
24 what was marked as Levinskas Exhibit 33, except it
25 doesn't have the handwritten changes on the first page;

NELL MCCALLUM & ASSOCIATES, INC.

1 and it has the proposed press release attached. Do you
2 recognize the document?

3 Have you reviewed it, Doctor?

4 A. Yes.

5 Q. Okay. Do you recognize it?

6 A. Yes.

7 Q. Okay. This is a copy of D. R. Bishop's memo to
8 you and others dated November 17, 1975, with attached
9 Monsanto press release; is that correct?

10 A. Yes.

11 Q. Okay.

12 MR. POHL: Would you mark this.

13 (EXHIBIT NO. 35 MARKED)

14 Q. (By Mr. Pohl) Let me show you what's been
15 marked as Exhibit 35 to your deposition.

16 Do you recognize that letter?

17 A. Yes.

18 Q. And is that from Industrial Bio-Test to you?

19 A. Yes.

20 — Q. Dated July 31, 1981?

21 A. Yes.

22 Q. What was the purpose of your inquiry of
23 Dr. Gordon, in July of 1981, regarding studies of
24 various Aroclor products?

25 A. We wanted to obtain their original records

1 dealing with the liver sections and the issue of
2 carcinogenicity in those animals.

3 Q. And why were you making such a request in 1981?

4 A. To undertake an audit of the information.

5 Q. Why were you going to audit the information
6 pertinent to IBT's Aroclor studies?

7 A. To get a better understanding, documentation of
8 the confidence we could put in those data.

9 Q. Why was the confidence that you could put in
10 that data in question?

11 A. If memory serves me rightly, about 1981
12 Bio-Test had ceased to be a practicing laboratory; and
13 we were trying to retrieve information from them.

14 Q. And what were you going to do with that
15 information?

16 A. As I say, validate or check the reliability of
17 the data that we had.

18 Q. Did Monsanto ever bother to validate the data
19 from IBT's Aroclor tests?

20 — A. We did with those studies there.

21 Q. And who was responsible for that validation?

22 A. I undertook it.

23 Q. And what did you do?

24 A. I made an attempt to determine whether the
25 animals' records existed for the existence of those

1 animals, their duration on test, and the diagnoses that
2 were made of the livers for cancer.

3 Q. And do you have an opinion as to whether or not
4 the IBT Aroclor tests that were done for Monsanto were
5 properly conducted in all respects?

6 A. I believe they were adequately conducted.

7 Q. I didn't ask you that. Were they properly
8 conducted in all respects?

9 A. Since I do not -- we talked earlier of
10 protocols. I don't have a protocol against which to
11 match. I can conclude they were adequately performed.
12 If you say proper in all respects in terms of adherence
13 to a protocol which I don't have, I'm unable to answer
14 that question.

15 Q. Well, there were written protocols for the
16 Aroclor studies, weren't they?

17 A. I don't recall having seen them.

18 Q. Well, if Monsanto had produced them in this
19 case, would they have been available to you when you
20 were trying to validate the IBT Aroclor studies?

21 A. Had we received them from IBT, if they had file
22 copies I would have had them. I do not recall that we
23 have them in the Monsanto files.

24 Q. So in attempting to validate the IBT studies of
25 Monsanto's Aroclor products, you were unable to make any

1 comparison of the test procedures to the protocol for
2 the particular test; is that correct?

3 A. I was attempting to see if what was reported
4 was substantiated by documentation and records.

5 Q. And my question was: You were not able to and
6 did not compare the results of the test with the
7 protocol for the conducting of the tests?

8 A. Since I was not aware of a plan for the test, I
9 was not able to compare the outcome with the plan.
10 Since I had a report, however, I could go back and see
11 whether there was justification for the information in
12 that report. The latter is what I did.

13 Q. And based upon your evaluation in 1981 of
14 Aroclor studies on Aroclor 1242, 1254, and 1260, did you
15 conclude that all the rats that were reported to be on
16 those studies were on the studies for the full term of
17 the study?

18 A. I wrote a report which indicated deviations
19 from -- which indicated, as far as the records would
20 indicate, how long animals were on tests; and in a few
21 instances where they had been on tests slightly shorter
22 intervals of time, I indicated those as well.

23 Q. Did you find, when you reviewed IBT's records
24 in connection with the Aroclor 1242, 1254, and 1260
25 studies that some of the rats on the study had died and

1 reappeared in life a number of times?

2 A. I do not recall that.

3 Q. You didn't find that?

4 A. Did not find that.

5 Q. So if it occurred, your study wasn't careful
6 enough or thorough enough to find that fact out?

7 A. I have no knowledge it occurred.

8 Q. Okay. And how were you able to document, in
9 your validation of the IBT studies, that all the animals
10 on study were properly housed during the course of the
11 study?

12 A. I indicated that I looked at records which
13 showed that the animals had been on test, that they had
14 been dosed as prescribed, the time they had been on
15 test, and the microscopic findings with respect to the
16 liver.

17 Q. Well, you indicated earlier in the
18 deposition -- I wrote this down -- that some of the
19 things you looked to that might invalidate a study or
20 affect the ultimate outcome of the study were the strain
21 of rats; is that correct?

22 A. Yes.

23 Q. You could determine what strain of rats IBT
24 used on the Aroclor studies, couldn't you?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028611

1 Q. Okay. How did you determine that the rats were
2 properly housed during the course of the IBT study?

3 A. I made no attempt to do so.

4 Q. Okay. What about the source of feed? How did
5 you determine what the source of feed was for the rats
6 while they were on the IBT Aroclor studies?

7 A. I can't specifically recall whether we had
8 records on the source of feed or not.

9 Q. Okay. So that's not -- that's not an element
10 that you can recall having validated, correct? You'll
11 have to answer verbally.

12 A. Correct.

13 Q. Now, another element that you said was
14 important was water supply. Do you remember that?

15 A. Yes.

16 Q. Okay. How did you validate the water supply of
17 the rats while they were on the IBT Aroclor study?

18 A. I did not validate, and I'm not sure that
19 validation in that sense has -- I said that the
20 different water supplies could contribute to different
21 outcomes. I do not know that anybody would make an
22 attempt to validate water supply.

23 Q. You didn't?

24 A. I did not.

25 Q. Okay. And what about the subtleties of

1 handling animals? You remember when you told me about
2 that this morning?

3 A. Yes.

4 Q. Okay. How did you validate the subtleties of
5 the way that people at IBT handled --

6 A. I did not.

7 Q. -- the rats that were in their Aroclor studies?

8 A. I did not.

9 Q. Didn't make any effort to?

10 A. No.

11 Q. Okay.

12 MR. POHL: Would you mark this.

13 (EXHIBIT NO. 36 MARKED)

14 Q. (By Mr. Pohl) Let me show you what has been
15 marked as Levinskas Exhibit 36. I'll pass that document
16 to you.

17 Do you recognize the document?

18 A. Yes.

19 Q. Referring back to your earlier answers of just
20 a-moment ago, what efforts did you make in attempting to
21 validate IBT's Aroclor studies, to validate the body
22 weights of the animals that had been on the study?

23 A. I have indicated that since the issue was
24 carcinogenicity, we looked to see whether the animals
25 had been available, had been on test, and whether

1 records existed for the microscopic examination of the
2 liver sections. And the report attached thereto has a
3 tabulation of the findings with respect to liver
4 sections.

5 Q. Okay. Are your findings -- well, first, let me
6 repeat the question: I take it from that that you did
7 not, in attempting to validate the IBT Aroclor studies,
8 make any effort to validate the accuracy of the body
9 weights of the animals on the study?

10 A. We did not make an effort to do that because,
11 as I indicated in this, the crucial elements for
12 assessing potential carcinogenicity of the materials
13 were looked at, and that was the focus of the
14 validation.

15 Q. Show me in your report, Doctor, where you
16 recite the facts about how long rats on these various
17 IBT Aroclor studies were on the study.

18 A. Page 4 says, For the chronic study in rats,
19 weanling animals were divided into a control group and 9
20 treatment groups, each consisting of 50 males and 50
21 females, with 3 treatment groups assigned to each of the
22 three Aroclor products studied. Not all of these
23 animals started at the same time. After about two
24 months on test, additional groups of males and females
25 were assigned to each test diet and the controls. The

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028614

1 animals were added to allow a sufficient number of
2 animals for sacrifice at 3, 6, and 12 months to provide
3 some information prior to the completion of the two-year
4 study period.

5 Q. And that's your only finding with regard to how
6 long the animals on these three Aroclor studies were on
7 the test?

8 A. Yes.

9 Q. Okay. And would you have been able to tell,
10 based upon your review of the records, whether animals
11 had been substituted during the course of the test, if
12 their substitution had not been noted in writing?

13 A. Yes.

14 Q. How would you have been able to tell that?

15 A. By cross-checking individual versus group house
16 records, and so forth.

17 Q. Okay. Well, tell me what -- specifically what
18 you did to check for that.

19 A. Well, I would have to resurrect my -- refresh
20 my memory on the records; but, as I said, we looked to
21 see whether there were individual animals that had been
22 received that had been placed on tests, whether there
23 were records attesting to the fact that those animals
24 had been weighed over a period of time and that those
25 animals -- the dates at which they were sacrificed

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028615

1 relative to the dates at which they started on test.

2 Q. So one of the things that would help you
3 determine if an animal had been substituted on a test
4 was the body weight?

5 A. The body weight, yes.

6 Q. Was that an important element in determining
7 whether an animal had been on the test for the full term
8 of the test or had been substituted during the course of
9 the test?

10 A. A body weight of an animal would be meaningless
11 except in relation to its own prior weight. It's weight
12 changes. While there is a -- following the weight of an
13 individual animal has some meaning.

14 Q. It can help you determine whether or not that
15 animal was on the test for a particular period of time,
16 correct?

17 A. If you have sequential intervals of weighing of
18 the animal, it attests to the existence of that animal
19 over that period of time.

20 -- Q. And in drawing your conclusion that animals had
21 been on the IBT Aroclor studies, did you look at the
22 body weights as a means for helping you decide whether
23 or not the animals had been on the study?

24 A. Yes.

25 Q. That's one of the factors you considered?

1 A. Yes.

2 Q. Okay.

3 What other factors did you consider, besides
4 the animal's body weight, in helping you determine
5 whether or not the animal was on the study?

6 A. I don't recall the specific records we have,
7 but it would be information that would track the
8 existence of that animal with respect to time.

9 Q. One of the things be the animal's
10 identification number?

11 A. The observations would -- yes, would be
12 recorded on to that animal number.

13 Q. So at least two ways in which you tracked the
14 existence of a particular rodent on an IBT Aroclor study
15 would be the animal's body weight and the animal's
16 identification number, correct?

17 A. Yes.

18 Q. And in making your analysis, you assume the
19 validity of those records?

20 -- A. Yes.

21 Q. Okay.

22 Now, why did Monsanto sponsor such an attempted
23 valuation of IBT's Aroclor studies several years after
24 PCBs had been completely banned by Congress?

25 A. I was going to write a review to look at this

1 entire question of the carcinogenicity and try to
2 summarize all available information, which included the
3 information available to us in the literature as well as
4 the information in our files. And at that time, since
5 IBT, as I have indicated, had stopped being a functional
6 laboratory -- before we committed ourselves to citing
7 that data, we wanted to assure ourselves that it had
8 some validity.

9 Q. Okay. And after your review of the IBT data,
10 did you conclude it was the type of reputable laboratory
11 that you would like to cite in one of your papers?

12 A. I concluded that the data available to me were
13 valid, sufficiently valid and sufficiently important to
14 be included.

15 Q. Okay. So you had no problem making reference
16 in your paper to studies conducted by IBT, correct?

17 A. That's correct.

18 Q. Okay.

19 Did you author this paper?

20 -- A. Yes.

21 Q. Where is it published?

22 A. It has not been published.

23 Q. I'd like to see a copy of it. Do you have a
24 copy with you?

25 A. No, I do not have a copy with me.

1 Q. Is a copy available at Monsanto?

2 A. Yes.

3 Q. Okay. How hard would it be to get a copy of
4 that paper?

5 A. I would think it -- not very difficult, but it
6 would take awhile to get it.

7 Q. Okay. Did anybody ask you to bring it with you
8 today?

9 A. No.

10 Q. Okay. How long is the paper that you've
11 written summarizing all this material on PCBs?

12 A. It's longer than this one. I don't know, 40,
13 50 pages.

14 Q. Forty or 50 pages?

15 A. Sixty pages.

16 Q. And how long has that document pertinent to
17 PCBs been in existence?

18 A. It's about the same date as this one.

19 Q. So Monsanto's had that document since 1981?

20 -- A. Yes.

21 Q. And did you put a copy of it in Monsanto's
22 files?

23 A. There should be a copy in the files.

24 Q. And you're certain that this document has a
25 reference to PCBs?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028619

1 A. What?

2 Q. That this document makes reference to PCBs and
3 Aroclor products?

4 A. (Moving head up and down)

5 Q. You are certain of that?

6 A. Yes.

7 Q. Okay.

8 Would the 40- to 60-page paper that you wrote
9 on PCBs compare or contrast the results obtained by IBT
10 on its Aroclor studies?

11 A. It's a compilation of information from many
12 sources. It lists these data from IBT along with
13 published data from the literature.

14 Q. Would it be a document regarding an
15 investigation or analysis of those Aroclor tests
16 conducted by IBT for Monsanto?

17 A. Would you repeat the first few words?

18 Q. Let me read it to you. "Any document regarding
19 any investigation or analysis of those Aroclor tests
20 conducted by IBT for Monsanto."

21 A. Strictly speaking, I would not consider an
22 investigation or analysis. It is merely a compilation,
23 a collection of information. Not a unique
24 investigation.

25 Q. But as part of the paper, you did investigate

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028620

1 or analyze the findings and data of IBT in connection
2 with its tests of Aroclor products; is that true?

3 A. It makes reference to the information which is
4 presented in this report.

5 Q. Okay. The same type of information you've been
6 telling us for the last few minutes that you had tried
7 to validate at IBT?

8 A. Yes.

9 Q. Okay.

10 MR. POHL: Steve, I'd ask y'all to
11 produce that document in connection with:
12 this deposition.

13 MR. ANDREWS: I'll consider it and
14 ask the people in charge of that to advise
15 me.

16 Q. (By Mr. Pohl) After Dr. Wright returned to the
17 employment of Monsanto, did you and Dr. Wright ever
18 discuss the work that went on at IBT?

19 A. I have no specific recollection of specific
20 discussions.

21 Q. Did he ever tell you whether or not he thought
22 the procedures and policies at IBT with regard to the
23 rodent studies were good, bad, or indifferent?

24 A. No.

25 Q. He never communicated that to you one way or

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028621

1 the other?

2 A. No.

3 Q. And you never asked him?

4 A. No.

5 Q. Okay. When you did your attempted validity --
6 validation of the IBT Aroclor studies in 1981, did you
7 consult with Dr. Wright?

8 A. No.

9 Q. Why not?

10 A. It was a task that I was undertaking on my own.

11 Q. Well, you consulted a woman at IBT named :
12 Marilyn Biederer, didn't you?

13 A. I made a request for the information to her.

14 Q. Well, and you talked to Dr. Donovan Gordon,
15 didn't you?

16 A. Since it was -- my recollection, since it was
17 pathology, I asked Don Gordon. And, as I said, IBT had
18 ceased to be a practicing laboratory; and I was referred
19 to someone else for the records. So I made a request of
20 them for information.

21 Q. Who else did you talk to or request information
22 from in an effort to attempt to validate IBT's Aroclor
23 studies?

24 A. I don't recall anyone else that I did talk to.

25 Q. But you specifically recall not having

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028622

1 attempted to communicate with Dr. Paul Wright?

2 A. I've indicated I made the inquiry of Don
3 Gordon. Since I thought it was pathology, he would have
4 the records. He referred me to their validation
5 assurance specialist; and so my source was looking for
6 the information, not to discuss the results with anyone
7 else.

8 Q. Okay. Now, you're aware that sometime after
9 Dr. Wright was rehired by Monsanto, he was criminally
10 indicted for his activities in connection with IBT; is
11 that correct?

12 A. Yes.

13 Q. Okay. And you're aware that there was a
14 lengthy jury trial in connection with Dr. Wright's
15 indictment?

16 A. Yes.

17 Q. And you're aware that Dr. Wright was ultimately
18 convicted of a felony; is that correct?

19 A. I read that in the newspaper, yes.

20 -- Q. And you are aware that Dr. Wright was convicted
21 at the time he was working for Monsanto; is that
22 correct?

23 A. Yes.

24 Q. And he was reporting to you at the time he was
25 convicted?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028623

1 A. No.

2 Q. Who was he reporting to?

3 A. Dr. Roush.

4 Q. And ultimately Dr. Wright went to prison; is
5 that correct?

6 A. I have no knowledge of that.

7 Q. What's the last -- when is the last time you've
8 communicated with Dr. Wright?

9 A. I would have to say probably somewhere in the
10 late -- not late -- could be late Seventies, early
11 Eighties. It's a couple more years.

12 Q. Was one of the things that Dr. Wright was
13 accused of was falsifying test data in connection with
14 tests conducted for Monsanto and others?

15 A. I don't know that I specifically recall the
16 nature of the indictment.

17 Q. Well, do you recall the fact that I've just
18 referred to you? Do you recall whether or not, Doctor,
19 one of the things that Dr. Wright was accused of was
20 falsifying test data in connection with tests that were
21 conducted for Monsanto and others?

22 A. My best recollection is that -- now, I'm the
23 layman in terms of legal -- he was accused of using the
24 mails to defraud by having sent reports to the
25 government agencies, and also of submitting false data.

1 Q. Okay. And one of the matters that we set forth
2 that we wanted to talk about in the deposition of
3 Monsanto was the matters pertaining to the payment of
4 Paul Wright's attorneys' fees in connection with his
5 defense in that criminal case. Are you knowledgeable
6 about that subject matter, Doctor?

7 A. No.

8 Q. Okay. Do you have any knowledge of it
9 whatsoever?

10 A. No.

11 Q. Okay. So if we were to ask somebody questions
12 about that, it would have to be somebody other than you?

13 A. Yes.

14 Q. Okay. Now, another one of the areas that we
15 gave your lawyers notice that we were going to talk
16 about today was Paul Wright's personnel file. Are you
17 familiar with that?

18 A. Not with his file, no.

19 Q. Were you one of the ones that wrote evaluations
20 or reports that were entered in Paul Wright's personnel
21 file?

22 A. Yes.

23 Q. Okay. And is that because for a number of
24 years you were his supervisor at Monsanto?

25 A. Yes.

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028625

1 Q. Okay. And as a supervisor, were you called
2 upon by Monsanto to evaluate his performance from time
3 to time?

4 A. Yes.

5 Q. And you found his performance overall to be
6 quite good, didn't you?

7 A. Yes.

8 Q. And you recommended him for bonuses and for
9 merit raises?

10 A. Yes.

11 Q. And what was it that was particularly good
12 about Dr. Wright's work for you at Monsanto?

13 A. He was a good, competent, experienced
14 toxicologist.

15 Q. And what did he do that you felt merited him
16 being singled out for praise?

17 A. He was treated like everyone else, not singled
18 out. When he deserved or we felt he was deserving, he
19 received commensurate recognition.

20 Q. And he received not only normal advancement in
21 the company, but he received extra cash bonuses, didn't
22 he?

23 A. At his level, he was eligible for extra
24 compensation, yes.

25 Q. And you recommended that he receive these extra

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028626

1 cash bonuses, didn't you?

2 A. I would have to think of that. I don't believe
3 I ever made a specific recommendation on cash bonus for
4 Dr. Wright, though I could be mistaken. I don't recall
5 at that time I was making specific recommendations for
6 bonuses.

7 Q. Would the personnel file of someone like
8 Dr. Wright be a file that was kept in the ordinary
9 course of business by Monsanto?

10 A. I'm sorry. You said --

11 Q. The personnel file.

12 A. You used the words before it was kept,
13 something.

14 Q. Yes. Would the personnel file of an employee
15 like Dr. Wright be the type of file that would be kept
16 by Monsanto in the ordinary course of its business?

17 A. I assume that Monsanto keeps personnel files.

18 Q. Do they keep one on you, for example?

19 A. I assume they do.

20 Q. Typically, do they keep a personnel file on all
21 the employees, say, that would be in the toxicology
22 department?

23 A. I have no direct knowledge of that.

24 Q. Okay.

25 MR. POHL: Would you mark that.

1 (EXHIBIT NO. 37 MARKED)

2 (EXHIBIT NO. 38 MARKED)

3 Q. (By Mr. Pohl) Let me hand you Levinskas
4 Exhibit 37 and ask you to review that document for a
5 moment.

6 Have you had a chance to review it, Doctor?

7 A. Yes.

8 Q. Did you sign that document?

9 A. Yes, I did.

10 Q. Okay. And that document's dated in February of
11 1975; is that correct?

12 A. Yes.

13 Q. And is that an evaluation of Paul L. Wright?

14 A. Yes.

15 Q. Okay. And you prepared that evaluation?

16 A. Yes.

17 Q. And at the conclusion of that evaluation, you
18 nominate Dr. Wright for a merit award on the basis of
19 his demonstrated performance, don't you?

20 A. Yes.

21 Q. And what type of merit award was that?

22 A. I think the figure down in here would indicate
23 the award amount approved for payment.

24 Q. Okay. So you recommended that Dr. Wright be
25 paid a bonus of \$2,500?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028628

1 A. I made a recommendation that Dr. Wright be
2 considered for a bonus, depending on the availability of
3 funds and other recommendations at the time. The
4 amount, the dollar amount, would have been decided on by
5 others.

6 Q. Okay. Do you recall the outcome as to whether
7 or not your recommendation was approved by the company?

8 A. I would have to assume that it was approved, if
9 that document shows the \$2,500 payment.

10 Q. Okay.

11 And the reasons that you recommended
12 Dr. Wright for a merit award are the reasons that you
13 state forth here in your typewritten evaluation?

14 A. That's correct.

15 Q. And one of the things that Dr. Wright did that
16 you found to be praiseworthy or worthy of merit, was to
17 forestall precipitous action against the product by the
18 FDA, referring to Aroclors. Is that correct?

19 A. No.

20 Q. All right. What product are you referring to?

21 A. This is a reference to the Dow Chemical Company
22 reporting studies on maleic anhydride, and that is the
23 compound under study.

24 Q. Okay. And what is maleic anhydride?

25 A. It's a chemical intermediate used in making

1 other chemicals.

2 Q. Is it a product used by or made by Monsanto?

3 A. Yes, Monsanto makes maleic.

4 Q. Is it a product that will have toxic effect on
5 human beings?

6 A. In sufficient quantities under the right
7 exposure conditions, every chemical will have toxic
8 effects.

9 Q. Well, if workmen -- it's a powder, isn't it, a
10 white powder?

11 A. It's a white powder.

12 Q. And if workmen breathe it in, it will make
13 their lungs burn like fire, wouldn't it?

14 A. It would be irritating to the lung, yes.

15 Q. Makes their skin burn, too, doesn't it?

16 A. It's a skin irritant, yes.

17 Q. Particularly if you're sweating and you mix the
18 maleic anhydride with sweat on your skin, it's going to
19 make your skin burn?

20 A. Yes.

21 Q. Are you aware of reports that workmen who had
22 been exposed to maleic anhydride in sufficient
23 quantities have become totally and permanently disabled?

24 A. I am not aware of those reports.

25 Q. Do you consider maleic anhydride to be a human

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028630

1 health hazard, if exposure is in sufficient quantities?

2 A. There are standards set to control the exposure
3 to maleic anhydride in the workplace, and I believe
4 those standards are adequate to protect worker health.

5 Q. May I see that document.

6 And when you're praising Dr. Wright for
7 forestalling precipitous action against maleic anhydride
8 by the FDA, what precipitous action are you talking
9 about?

10 A. I had no specific idea of a -- no idea of a
11 specific action, but a knee-jerk reaction, an
12 overaction. I would like to add that that letter or
13 memo goes on to add to Dr. Wright's commendation the
14 fact that he also was instrumental in organizing a
15 program to further investigate the toxicity of maleic
16 anhydride and to investigate the questions which were
17 raised by the earlier information we had.

18 Q. Okay. And you note, in your recommendations
19 with regard to Dr. Wright, that Dow Chemical Company had
20 encountered lung tumors in rats fed maleic anhydride; is
21 that correct?

22 A. Yes.

23 Q. And that Dow Chemical Company, under their
24 product stewardship program, felt that they had to
25 report those findings to the FDA; is that correct?

1 A. Yes.

2 Q. And Dr. Wright contacted Dow and persuaded them
3 to defer reporting these results to the FDA until there
4 could be further evaluations; is that correct?

5 A. He advised them to defer; not to not contact,
6 but to defer contacting until they had thoroughly
7 evaluated their findings.

8 Q. Okay. And would another way to say that,
9 Doctor, would be that Paul Wright had persuaded Dow
10 Chemical to delay reporting to the FDA its findings that
11 maleic anhydride caused lung tumors in rats?

12 A. Their report raised a question as to whether
13 they were lung tumors. They had the same kinds of
14 uncertainty that we have talked about on the PCBs. And
15 the recommendation to Dow was that they should check and
16 cross-check their information to be sure of their
17 findings, and then they should take and present those to
18 an agency.

19 Q. And what did you mean when you talked about
20 Dow's "product stewardship" program that you put in
21 quotation marks in your memo?

22 A. Dow has a program they call product stewardship
23 which would be quite analogous to Monsanto's
24 environmental assessment procedure.

25 Q. And what is the purpose of that program?

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028632

1 A. Dow considers themselves to be good stewards of
2 their products.

3 Q. That means they don't want to expose anyone to
4 a product that might harm them?

5 A. They would like to have people use the
6 chemicals properly for their intended purposes.

7 Q. Would part of Dow's product stewardship
8 program, as you know it, be to warn the government,
9 through the FDA, for example, that a product might be
10 hazardous?

11 A. I don't have adequate knowledge of Dow's
12 product stewardship program and how they were
13 implemented on details. The fact is that maleic
14 anhydride is labeled for hazards, as we have discussed.
15 Standards have been set for its safe handling in the
16 workplace, and adequate information appeared to be
17 available on maleic anhydride.

18 Q. And have studies with the rats now confirmed
19 that maleic anhydride does cause lung tumors in rats?

20 A. There have been extended studies. I do not
21 believe lung tumors were detected.

22 Q. And maleic anhydride is a product that's
23 manufactured by Monsanto?

24 A. Yes.

25 Q. And when maleic anhydride is shipped, it has a

1 yellow warning sticker on it, doesn't it?

2 A. I don't believe I've ever seen a container of
3 maleic anhydride shipped, so I can't comment.

4 Q. And the point about all that that you make in
5 the first paragraph of your memo is that Dr. Wright
6 advised Dow Chemical to defer contacting the FDA about
7 the lung tumors it had encountered until further studies
8 could be done?

9 A. No. Dow had raised a question as to whether
10 they had a lung tumor. Our recommendation there was
11 that they resolve the issue, or attempt to resolve it,
12 and then present the results of those findings rather
13 than an inconclusive comment to the agency.

14 Q. Doctor, why did Monsanto have one of its
15 employees telling Dow Chemical to defer contacting the
16 FDA about one of Dow Chemical's findings?

17 A. Because Dow Chemical called us to tell us of
18 their findings and essentially ask us for suggestions on
19 what to do next.

20 Q. And your suggestion was, "Don't contact the
21 FDA"?

22 A. No, my suggestion was, "Let's define the
23 questions. Let's determine what we're dealing with.
24 When we have something that we -- is meaningful or that
25 we can discuss, we will then proceed to discuss it."

1 Those findings were presented to the FDA. The
2 recommendations for further study in substantial part
3 were initiated, and I think everything has been
4 appropriately done.

5 Q. Okay.

6 And in your memo, you go on to say, "The
7 results of such study would not necessarily resolve
8 FDA's concern over the potential carcinogenicity of
9 maleic anhydride, but the intent to conduct the study
10 promptly would serve to forestall precipitous action
11 against the product by FDA." Is that correct? :

12 A. The context in which that comes, the preceding
13 statement, the first step was a commitment to support a
14 repeat of the feeding study to determine if the findings
15 were reducible. It's my recollection that that study
16 was something on the order of six to eight months
17 duration. So it would answer the question as to whether
18 the findings were repeatable. And then it would not
19 necessarily, since it was less than a lifetime feeding,
20 resolve FDA's concern, if they were to have one about
21 potential carcinogenicity.

22 Q. But by expressing the intent to conduct the
23 study, that would serve to forestall precipitous action
24 against the product by the FDA; is that correct?

25 A. That's correct.

 NELL MCCALLUM & ASSOCIATES, INC.

1 Q. Okay.

2 And this was a proposal that was acceptable to
3 the business group at Monsanto?

4 A. Yes.

5 Q. And for intervening on behalf of Monsanto with
6 Dow Chemical and avoiding any precipitous action by Dow
7 Chemical, Paul Wright was recommended by you for a merit
8 award; correct?

9 A. I just want to see where the adjectives
10 fit. Yes, we felt it would be foolhardy for Dow to act
11 precipitously by going with questions that they could :
12 get answers to in a little bit of time.

13 Q. Let me pass to you Exhibit No. 38 to your
14 deposition and ask you to look at that document.

15 MR. ANDREWS: Let's go off the record
16 for just a few minutes, if we might, and
17 have a discussion about the further timing
18 and continuation of the deposition.

19 MR. POHL: Sure.

20 VIDEO OPERATOR: We're off the
21 record.

22 (DISCUSSION OFF THE RECORD)

23 MR. POHL: We'll come back at 9:00
24 o'clock in the morning.

25

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028636

SIGNATURE OF WITNESS

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I, GEORGE LEVINSKAS, Ph.D., solemnly swear or affirm, under the pains and penalties of perjury, that the foregoing 219 pages contain a true and correct transcript of the testimony given by me at the time and place stated, with the corrections, if any, and the reasons therefor noted on a separate sheet of paper and attached hereto, and that I am signing this before a Notary Public.

GEORGE LEVINSKAS, Ph.D.
THE STATE OF TEXAS]

Subscribed and sworn to before me, the undersigned authority, by the said GEORGE LEVINSKAS, Ph.D., on this the day of , 1987.

Notary Public in and for
the State of Texas

NELL MCCALLUM & ASSOCIATES, INC.

STLCOPCB4028637

1 THE STATE OF TEXAS]


2
3 CERTIFICATE

4
5 I, Wanda G. Kuhn, a Certified Shorthand
6 Reporter, hereby certify that the foregoing testimony
7 was given before me after the Witness had been first
8 duly sworn.

9 I further certify that I prepared this
10 transcript and that the foregoing pages constitute a
11 complete and correct copy of the transcript of the
12 proceedings.

13 I further certify that I am neither
14 attorney for, related to, nor employed by any of the
15 parties to the lawsuit in which this deposition was
16 taken; further, I am neither related to nor employed by
17 any attorney of record in this cause, nor do I have a
18 financial interest in the matter.

19 GIVEN UNDER MY HAND AND SEAL OF OFFICE in
20 Houston, Texas, on this the 29th day of May, 1987.

21 

22 Wanda G. Kuhn, CSR, RPR

23 Certification Number: 2007
24 Date of Expiration: December 31, 1988
25 Address: 2900 Smith Street, Suite 104
Houston, Texas 77006
Phone: 713/523-3767

NELL MCCALLUM & ASSOCIATES, INC.