

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
BEAUMONT DIVISION

CLAUDE CIMINO, et al.,

Plaintiffs,

vs.

CIVIL ACTION NO. B-86-0456-CA

RAYMARK INDUSTRIES, INC., et al.,

Defendants.

COPY

DEPOSITION OF: J. CHRISTOPHER WAGNER

DATE: May 30, 1990

TIME: 9:10 AM

LOCATION: Stafford Hotel
London, England

TAKEN BY: Counsel for the Plaintiffs

REPORTED BY: A. WILLIAM ROBERTS, JR.,
Registered Professional
Reporter, CP, CM

Computer-Aided Transcription By:

A. WILLIAM ROBERTS, JR., & ASSOCIATES

Charleston, SC
(803) 722-8414

Columbia, SC
(803) 731-5224

A. WILLIAM ROBERTS JR., & ASSOCIATES

1 APPEARANCES: NESS, MOTLEY, LOADHOLT,
2 RICHARDSON & POOLE
3 BY: MICHAEL J. BRICKMAN
4 151 Meeting Street, Suite 600
5 P.O. Box 1137
6 Charleston, SC 29402
7 Attorneys for the Plaintiffs
8
9 BLASINGAME, BURCH, GARRARD &
10 BRYANT, P.C.
11 BY: HENRY G. GARRARD, III
12 440 College Avenue North
13 P. O. Box 832
14 Athens, GA 30603
15 Attorneys for the Defendant
16 Pittsburgh Corning Corp.
17
18
19
20
21
22
23
24
25

I N D E X

1		
2		
3		Page
4	DIRECT EXAMINATION	
5	By Mr. Brickman	5
6	Signature of Deponent	260
7	Certificate of Reporter	261

8

9

10 * * * * *

11

12 REQUESTED INFORMATION INDEX

13		Page
14	German and French theses for 100 cases	
15	of asbestos disease occurring before 1900	18
16	Handwritten notes regarding the examinations	
17	of stains	87

18

19 E X H I B I T S

20

21		Page
22		Identified

23 PLAINTIFFS'

24	1 Document entitled Medico-Legal Case.	
25	Texas Series for Billy Harris	104

1	2	Document entitled Medico-Legal Case.	
2		Texas Series for Horace McBryde	104
3	3	Document entitled Medico-Legal Case.	
4		Texas Series for Alvin Warrick	104
5	4	Medical Report on Billy Harris	211
6	5	Handwritten notes on Billy Harris	211
7	6	Medical Report on Horace McBryde	211
8	7	Handwritten notes on Horace McBryde	211
9	8	Medical Report on Alvin Warrick	211
10	9	Handwritten Notes on Alvin Warrick	211
11	10	Handwritten Notes	211
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

LAWYER'S NOTES

[illegible]

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 JOHN CHRISTOPHER WAGNER, being
2 first duly sworn, testified as follows:~

3 DIRECT EXAMINATION

4 BY MR. BRICKMAN:

5 Q. Could you state your full name for
6 the record, sir?

7 A. John Christopher Wagner.

8 Q. And you pronounce it Wagner?

9 A. Wagner.

10 Q. Dr. Wagner, could you tell me where
11 you live, sir?

12 A. At the present moment, I live in
13 Dorset in a place called Wales.

14 Q. And about how far is that from
15 London?

16 A. About 150 miles.

17 Q. And did you take a train or a plane
18 in last night?

19 A. I took a train from Cardiff.

20 Q. And are you presently married, sir?

21 A. I am.

22 Q. And are you presently employed?

23 A. Self-employed doing a certain
24 amount of consulting work.

25 Q. Tell me what you mean by that,

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 sir.

2 A. Well, I am advising on tumors
3 associated with asbestos, asbestos diseases, and
4 also on some of the experimental work I did
5 earlier.

6 Q. I'm sorry, what experimental work?

7 A. Experimental work I have done
8 previously.

9 Q. Are you employed or do you work for
10 any particular group or company or anything of
11 that nature?

12 A. Not directly employed, but I do do
13 work for certain people.

14 Q. You are not employed by the English
15 government in any way at this time; is that
16 correct?

17 A. Only on a very small travel grant.

18 Q. I'm sorry; it must be my ears, on a
19 what?

20 A. They give me a small travel grant
21 to go up to Cardiff once a month to consult on the
22 experimental work that I started doing, and they
23 are continuing to do, the Health and Safety
24 Executive.

25 Q. And you continue to monitor that

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 work, and they don't pay you for it other than to
2 pay your travel expenses?

3 A. That's correct.

4 Q. What sort of work is being done at
5 Cardiff that you are monitoring?

6 A. Mainly to find out the amount of
7 asbestos in various types of lungs of people with
8 mesotheliomas in whom there is no evidence of
9 asbestos exposure, comparing that with exposed
10 cases. And also going back into the experimental
11 animals to find out how the dose in the animals is
12 equivalent to what we are seeing in man.

13 Q. So apparently you are looking at
14 individuals who have no known exposure to asbestos
15 and counting the number of asbestos fibers in
16 their lungs?

17 A. Well, typing the fibers and the
18 quantitation and type of fibers, size of the
19 fiber.

20 Q. And are these individuals who have
21 not been exposed who have any sort of disease?

22 A. These are mainly people with
23 mesotheliomas of the pleura and peritoneum.

24 Q. These people have mesothelioma, do
25 not have a known exposure history to asbestos, and

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 you are counting, however, the number of asbestos
2 fibers in their lungs?

3 A. And also getting people to check up
4 to make quite certain that they haven't had
5 exposure.

6 Q. Have you found any individuals who
7 have absolutely no asbestos fibers in their lungs?

8 A. No.

9 Q. Okay. In those individuals who
10 have mesothelioma, no history of exposure to
11 asbestos or known history of exposure to asbestos,
12 you have nevertheless found asbestos fibers in
13 their lungs?

14 A. Yes.

15 Q. What kind of asbestos fibers have
16 you found in general in these individuals?

17 A. The three main types of asbestos
18 and Tremolite. Chrysotile, Crocidolite, Amosite,
19 Tremolite.

20 Q. So you find basically those
21 asbestos fibers that are used commercially?

22 A. Yes, all the ones that are used
23 commercially.

24 Q. You find them; is that correct?

25 A. Yes.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Do you attribute in those cases the
2 mesotheliomas to the asbestos fibers in their
3 lungs?

4 A. No. I think we are trying to find
5 the level between the ordinary people with urban
6 or country exposure, countryside exposure, against
7 the people who have had industrial exposure.

8 Q. You would agree with me that
9 asbestos can cause mesothelioma, wouldn't you?

10 A. Certainly the amphiboles cause
11 mesothelioma.

12 Q. Would you agree with me that large
13 amounts of Chrysotile may be able to cause
14 mesothelioma, in your opinion?

15 A. I think this is fairly doubtful,
16 because Tremolite seems to occur in practically
17 all these cases.

18 Q. Let me ask you this: Why is it
19 then that the asbestos that you are finding in
20 these people's lungs isn't the cause of their
21 mesothelioma?

A. I think one realizes that if it was
23 the cause of the mesothelioma, all of us would
24 have mesothelioma, which is far from the case.

25 Q. That doesn't make sense to me,

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 sir. Not everybody that is exposed to asbestos
2 gets asbestosis, do they?

3 A. No.

4 Q. Not everybody that is exposed to
5 asbestos gets asbestos lung cancer, do they?

6 A. No. But the people with severe
7 exposure would.

8 Q. Everybody who has a severe exposure
9 to asbestos would get those diseases?

10 A. No, but is liable to get those
11 diseases.

12 Q. Is liable to?

13 A. Yes.

14 Q. So if somebody has a heavy exposure
15 to asbestos, it is more probable than not that
16 they would get an asbestos disease related to
17 that?

18 MR. GARRARD: Wait a minute. I
19 object to the form of your question. That is not
20 what he said. He didn't say heavy exposure. He
21 didn't say more likely than not they are going to
22 get the disease.

23 MR. BRICKMAN: I want to clarify.

24 That's exactly what I want to do.

25 BY MR. BRICKMAN:

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. What type of exposure were you
2 referring to? I thought you said heavy exposure.

3 A. Yes. Industrial exposure.

4 Q. Yes, industrial exposure. So, in
5 your opinion, everybody who has an industrial
6 exposure more likely than not will get one of
7 those diseases.

8 MR. GARRARD: Object to the form of
9 the question. That's not what he said.

10 MR. BRICKMAN: That's what I'm
11 asking him.

12 THE WITNESS: No. Is more likely
13 to get the disease than people who haven't had
14 that exposure.

15 BY MR. BRICKMAN:

16 Q. Well, obviously. If you are not
17 exposed to asbestos, you are not going to get
18 asbestosis, are you?

19 A. This is the problem. There must be
20 a limit somewhere along the lines where the
21 exposure is -- exposure is that of the general
22 population who, as far as we know, none of these
23 diseases occur.

24 Q. I'm not quite sure I understand
25 that. Apparently we have some people in the

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 general population who have minimal exposure to
2 asbestos who have mesothelioma, don't we?

3 A. The exposure, as far as we can see,
4 the exposure they had was that of the general
5 population of the area. No greater, no less.

6 Q. Maybe even that minimal exposure
7 can cause mesothelioma; have y'all considered that
8 concept?

9 A. We have taken it down -- still
10 working the hypothesis. We have taken it down to
11 certain limits such as if they got less than one
12 million amphibole fibers of the correct size
13 ratio; they probably won't get the disease.

14 Q. But these people that you have
15 looked at, they had less than 1 million amphibole
16 fibers?

17 A. Yes.

18 Q. But they got the disease, didn't
19 they?

20 A. Oh, yes. The disease -- the other
21 thing is the disease occurs from causes other than
22 asbestos exposure, and we also collected nearly
23 100 cases which were occurring before 1900 which
24 would suggest that was before asbestos exposure
25 occurred. So it is a natural incidence of the

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 disease.

2 Q. You have 100 cases of mesothelioma
3 you have collected and examined that occurred
4 before 1900?

5 A. This is going on as far as we can
6 see on the reported cases and on the evidence
7 supporting their claim.

8 Q. Wait a minute. Wait a minute.
9 Have you looked at tissue of people who died
10 before 1900?

11 A. No.

12 Q. What do you base that on?

13 A. This is based on the reports.

14 Q. On reports. And did they call it
15 mesothelioma?

16 A. No, talking about endothelioma of
17 the pleura.

18 Q. And so in every case they called it
19 endothelioma of the pleura, you took that to be
20 mesothelioma?

21 A. No. The cases are being reviewed,
22 and only the ones being reviewed are accepted.

23 Q. Who has looked at this tissue?

24 A. The group in Germany. A group in
25 France, a chap called Roberts at the Mayo Clinic.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. And he has looked at people who
2 died in 1900 and said there are 100 cases?

3 A. He worked on the reports. The
4 people who have seen the actual tissue would be
5 the people in France, in Germany and Britain and
6 the States in the earlier days.

7 Q. Has anybody currently doing
8 research today looked at that tissue and said,
9 these are mesotheliomas?

10 A. No, not as far as I know.

11 Q. You are simply basing it on reports
12 in the medical literature or old autopsy reports
13 where they said, endothelioma; is that correct?

14 A. Yes. And their grounds for giving
15 it.

16 Q. You are not basing it if they said
17 pleural carcinoma, are you?

18 A. No.

19 Q. Okay. And as far as you know, all
20 100 cases said endothelioma; is that correct?

21 A. Or pleural tumor, yes.

22 Q. If it said pleural tumor, are you
23 assuming it's a mesothelioma?

24 A. No. They give their description.

25 Q. And what description did they give

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 for pleural tumor?

2 A. These were descriptions of a tumor
3 arising in the pleura, and they also had fairly
4 good pictures in some of the cases showing the
5 type of the tumor.

6 Q. Tell me who has looked at these
7 from France and Germany, sir, or which article
8 you're referring to?

9 A. No. There are two big theses. I
10 haven't got the actual reference.

11 Q. Are they published?

12 A. No. They were university theses,
13 the two main ones.

14 Q. By medical students or by
15 professors or what?

16 A. By -- they were collected by people
17 doing their theses for higher degrees, but they
18 were qualified.

19 Q. So these are people who have
20 medical degrees who are in graduate school,
21 postgraduate school?

22 A. Postgraduate school. But the list
23 of the cases obviously are being done by the --

24 Q. And you have looked at pictures
25 that they have put in their theses of these

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 people?

2 A. I looked at some of the pictures
3 and the articles.

4 Q. Okay. And these articles were not
5 articles but thesis work, unpublished?

6 A. They referred to -- this was a
7 collection of the -- these chaps collected the
8 papers and checked on the materials.

9 Q. And they referred in their thesis
10 or theses, they looked in their theses at other
11 articles which you yourself examined?

12 A. I have examined some of them. Not
13 all of them.

14 Q. Which ones have you examined?

15 A. I examined some of the early
16 British ones.

17 Q. Just name one or two for me, sir?

18 A. Offhand, I'm afraid I can't.

19 Q. You can't name any?

20 A. No. I'm trying to think of this
21 one, but I'm afraid I can't. It's still part of
22 work to be done.

23 Q. How do you know these people
24 weren't exposed to asbestos?

25 A. Because one assumes that by 1900

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 there were too few -- asbestos was right in its
2 infancy, and certainly there was practically no
3 asbestos being used in Europe and a small amount
4 in the states.

5 Q. Just so I know, why do you believe
6 asbestos wasn't being used in Europe prior to
7 1900?

8 A. If it was used, it was used in
9 very, very small quantities.

10 Q. And how do you know whether these
11 people were not exposed to it?

12 A. As far as one can tell, going
13 through the history that is available, the
14 occupation didn't suggest this.

15 Q. So it even had their occupations
16 before their death?

17 A. Not all of them.

18 Q. Some of them?

19 A. Some of them.

20 Q. Do you have this thesis at your
21 home?

22 A. Yes.

23 Q. May I ask you to provide a copy to
24 Mr. Garrard --

25 MR. BRICKMAN: Let me ask you this,

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Henry. If he is not going to voluntarily do it,
2 let me know, and I'll get a subpoena. a

3 MR. GARRARD: I haven't seen it. I
4 don't know what it is. I don't know if you're
5 entitled to it, so I am not going to agree, nor is
6 he going to agree.

7 MR. BRICKMAN: All right.

8 (This page contains information to
9 be supplied by counsel and/or the deponent.)

10 BY MR. BRICKMAN:

11 Q. I don't know how to issue a
12 subpoena in England, but when you come to the
13 States, we will have one for you, Doctor.

14 MR. BRICKMAN: Just for the record,
15 Henry, you will not agree for the doctor to
16 produce it to me?

17 MR. GARRARD: I don't know what it
18 is, haven't seen it, and I am not going to
19 voluntarily agree nor allow him to voluntarily
20 agree to produce anything that I don't know what
21 it is or haven't seen, nor do I know it has any
22 relevance at all to what his testimony is about in
23 these three cases.

24 MR. BRICKMAN: Let me ask you this,
25 Mr. Garrard, while we are on the record. I know

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 you are not the doctor's lawyer. What right do
2 you have to tell him not to produce it? - What can
3 it hurt you?

4 MR. GARRARD: I don't know what it
5 is. I'm not under oath.

6 MR. BRICKMAN: Lawyers don't have
7 to be under oath to tell the truth; they are
8 expected to.

9 MR. GARRARD: I don't know what it
10 is, and I am not going to agree to something I
11 don't know what it is, nor would you.

12 BY MR. BRICKMAN:

13 Q. Doctor, tell me specifically what
14 it is you have.

15 MR. GARRARD: Excuse me, tell me --

16 BY MR. BRICKMAN:

17 Q. This thesis. Tell me what it is.

18 A. A copy of a German thesis and a
19 French thesis.

20 Q. And do you know the title of these?

21 A. I'm afraid not offhand.

22 Q. Do you know the names of any author
23 in it?

24 A. I would have to look this up.

25 Q. Do you have an English translation

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 or just the German and French version?

2 A. The German and French version.

3 Q. Is there an abstract with it of
4 some sort in English?

5 A. No, there isn't. I got the
6 occupations of the German cases translated.

7 Q. Do you speak German?

8 A. No.

9 Q. Who interpreted it for you?

10 A. This was interpreted by -- as far
11 as it has been interpreted, by a woman who teaches
12 German.

13 Q. Did she write out the
14 interpretation for you?

15 A. Wrote out the occupations, yes.
16 But most of these -- most of these cases occurred
17 also in Roberts' article from the Mayo Clinic.

18 MR. GARRARD: I do know which one
19 that is, Mr. Brickman.

20 MR. BRICKMAN: I'm glad to hear
21 that, Mr. Garrard.

22 BY MR. BRICKMAN:

23 Q. So you haven't read the German
24 thesis, then?

25 A. No. I checked the names against

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 the other two.

2 Q. But you have it in your possession?

3 A. Yeah.

4 Q. What university did it come from?

5 A. Now that's got me.

6 Q. How about the French thesis, have
7 you read that one?

8 A. Yes. That's from Paris.

9 Q. Okay, from Paris. And do you
10 remember the name of any doctor on that thesis?

11 A. No.

12 Q. And you understand French well
13 enough to read it yourself?

14 A. Yes.

15 Q. Now, have you ever come across any
16 case of mesothelioma, Doctor, where you did not
17 find asbestos fibers upon proper examination?

18 A. Personally, no.

19 Q. And do you know whether there was
20 any examination of these hundred cases that you
21 referred to before 1900?

22 A. No, there was none.

23 Q. No examination of the lungs to see
24 if there were asbestos fibers?

25 A. No.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. The 100 cases you have referred to,
2 did they come from different parts of the world,
3 or were they limited to certain countries?

4 A. They were reported, as far as I
5 remember, mainly from France and Germany and
6 Britain. A few from the States.

7 Q. And is it your opinion that France,
8 Germany and Britain weren't using asbestos prior
9 to 1900 at all, and in the United States just a
10 little bit was being used? Is that correct?

11 A. I'll put it the other way around.
12 As far as I know, Chrysotile was being used in the
13 States, but the amounts of material coming into
14 Europe by 1900 wouldn't be sufficient time for the
15 tumors to develop if they were associated with
16 asbestos.

17 Q. All right. Just want to clarify
18 it. So you are now saying there was asbestos in
19 Europe before 1900, but not sufficiently enough
20 time prior to 1900 for a proper latency, in your
21 opinion; is that correct or incorrect?

22 A. Very small amounts were coming in,
23 yes.

24 Q. So let's clarify. There was
25 asbestos in Europe prior to 1900?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. As far as I know, 1890.

2 Q. 1890 is the cutoff date?

3 A. Yes.

4 Q. Was there any asbestos here prior
5 to 1890, in your opinion?

6 A. Not that I know of.

7 Q. How about the United States?

8 A. I think slightly. A very small --
9 we know about minimal amounts being used in the
10 middle ages, but minimal, really.

11 Q. Doctor, in your opinion, are there
12 known causes of mesothelioma other than asbestos?

13 A. Claimed. I think the only one that
14 really comes out clearly is radiation.

15 Q. So the only -- I don't mean to cut
16 you off. Let me just clear it up, and you can add
17 on whatever you want.

18 So the only other thing that you
19 know of or you believe to a reasonable degree of
20 medical certainty that can cause mesothelioma
21 other than asbestos is radiation?

22 MR. GARRARD: Wait a minute.

23 MR. BRICKMAN: Just object to the
24 form. Object to the form, Henry, and give me a
25 break.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. GARRARD: I object to the form,
2 because he said the only one that has come out
3 clearly.

4 MR. BRICKMAN: I'm trying to
5 clarify.

6 MR. GARRARD: But you are taking
7 and restating things in a positive fashion that he
8 hasn't said.

9 MR. BRICKMAN: That's why I'm
10 asking it again. I want to clarify that, Mr.
11 Garrard.

12 MR. GARRARD: I know, but I wish
13 you would clarify it within the parameters that he
14 said as opposed to something that serves your
15 purposes more beneficially than what he said, and
16 I object to the form.

17 MR. BRICKMAN: Let's do this, Mr.
18 Garrard. Why don't you object to the form of the
19 question, and I agree you can add the grounds
20 later, so you don't have any problem telling the
21 witness what to say.

22 MR. GARRARD: I am not telling the
23 witness what to say. I am trying to, as best I
24 can in my meek way, to keep you from making
25 statements that say, so what you just said, Doctor

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 is, when that is not what I understood the doctor
2 to say. If you simply would reask your questions
3 in a fashion that fit what his answer was, then I
4 wouldn't have to object.

5 BY MR. BRICKMAN:

6 Q. Doctor, to a reasonable degree of
7 medical certainty, what other known causes of
8 mesothelioma are there besides asbestos exposure?

9 A. Well, there is exposure to Erionite
10 fiber. Radiation treatment seems to be the other
11 one that is fairly clear. Obviously, like any
12 other -- there must be a lot of causes we don't
13 know.

14 Q. For all we know invaders from space
15 are coming down and zapping us with ray guns. It
16 could be anything; is that correct?

17 A. No. There are a lot of causes
18 which we have gotten out of the course.

19 Q. But the only ones you would
20 attribute mesotheliomas to would be asbestos
21 exposure, Erionite and radiation; is that correct?

22 A. That's correct.

23 Q. What kind of radiation are you
24 referring to?

25 A. Mainly on previous radiotherapy.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. So if somebody has some sort of
2 disease you're referring to, and they get some
3 sort of radiation treatment for it, that's what
4 you're referring to?

5 A. Yes.

6 Q. You are not referring to somebody
7 who goes in and gets chest x-rays or x-rays of a
8 broken leg?

9 A. No. No.

10 Q. Okay. And about how many cases of
11 radiation mesotheliomas are you aware of?

12 A. I think about -- a recent article
13 has been written on this. I think he refers to
14 about five other cases, about six cases.

15 Q. Six cases. And which article are
16 you referring to, sir?

17 A. This is an article which is about
18 to appear in Thorax.

19 Q. About to appear?

20 A. Yeah.

21 Q. And whose article is it?

22 A. It's been written from Israel.

23 Q. Okay. And who is the author of
24 this soon-to-appear article?

25 A. I don't know.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. How do you know about the article?

2 A. I was asked to referee it.

3 Q. To referee it. That means to see
4 if it makes sense?

5 A. Yes.

6 Q. And who asked you to referee it?

7 A. The journal.

8 Q. Who specifically on the journal?

9 A. I think it was Dr. Allen Gibbs who
10 is one of the editors.

11 Q. So Dr. Allen Gibbs is somebody who
12 you have done some work with in the past?

13 A. Yes.

14 Q. And he, in fact, is a witness in
15 these cases for Mr. Garrard, isn't he?

16 A. Yes.

17 Q. And he asked you to review this
18 article from Thorax?

19 A. For Thorax.

20 Q. Did he say anything about the
21 article?

22 A. No.

23 Q. Just said I want you to referee it,
24 nothing else?

25 A. Nothing else.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. And this article said there were
2 six cases of radiation therapy mesotheliomas?

3 A. Described it.

4 Q. And they had no asbestos exposure?

5 A. No asbestos exposure.

6 Q. Were any of them men?

7 A. The main case was a woman, I think.

8 Q. One was a woman?

9 A. I think there were some men in it.

10 The ones he mentioned, I think there were some men
11 among those.

12 Q. You say there is a main case. Do
13 they mostly talk about one case in that article?

14 A. No, the article is mainly on the
15 one case, but he quotes the others from the
16 literature.

17 Q. So apparently he discusses in that
18 article, the author we're referring to, one case
19 in particular; and he says he has found in the
20 literature four or five other cases?

21 A. Four or five other cases.

22 Q. Have you seen those four or five
23 other cases in the literature?

24 A. No.

25 Q. Dr. Wagner, let me ask you to

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 assume somebody has a mesothelioma, and they had
2 an occupational exposure to asbestos. Would you
3 then state that the most likely cause of that
4 mesothelioma was the asbestos exposure?

5 A. I think it would depend upon the
6 severity of the exposure. Also the estimation of
7 the amount of asbestos in the lungs, if it can be
8 done.

9 Q. Well --

10 A. If the tissue is available.

11 Q. If the person had an occupational
12 exposure to asbestos for two or more years, let's
13 say, and you couldn't do a study to see how many
14 fibers there were, but you had a mesothelioma,
15 would you then say that the most likely cause of
16 that mesothelioma was asbestos exposure?

17 MR. GARRARD: Object to your
18 hypothetical, because I do not believe when you
19 say, quote, occupational exposure for two or more
20 years, that you give him factual parameters with
21 which to answer that question in anything more
22 than a speculative fashion. Object to the form.
23 BY MR. BRICKMAN:

24 Q. Go ahead, Doctor.

25 A. It would depend upon the intensity

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 of the exposure and also on the type of fiber to
2 which he was exposed.

3 Q. Well, assume the intensity was
4 sufficient for your purposes. What do you mean by
5 the type of fiber he was exposed to, then?

6 A. It would have to be amphibole
7 fiber.

8 Q. If he had amphibole fiber, then
9 would you attribute the mesothelioma to his
10 amphibole asbestos exposure?

11 A. We're saying a fairly intense
12 exposure, yes.

13 Q. So the answer would be yes, you
14 would?

15 A. One would consider it, yes.

16 Q. No, not one would consider it.
17 Would it not be the most likely cause?

18 A. Well, the timing afterwards would
19 have to fit.

20 Q. Latency you're referring to?

21 A. Yes.

22 Q. And if the latency fit, would you
23 then say it was most likely due to asbestos? Yes?

24 A. Intense exposure to amphibole
25 asbestos for a two-year period, and he developed a

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 tumor more than 20 years later, one would consider
2 it, yes.

3 Q. No, no, not one would consider it.

4 MR. GARRARD: Wait a minute.

5 BY MR. BRICKMAN:

6 Q. I'm asking you if it is the most
7 likely cause.

8 MR. GARRARD: Wait a minute,
9 Mr. Brickman, the doctor is entitled to answer the
10 question in whatever fashion he wants to. When he
11 says one would consider it, that seems to be the
12 terminology he is using. That doesn't entitle you
13 to try to force him to use your terminology, and I
14 object to that.

15 BY MR. BRICKMAN:

16 Q. Is it the most likely cause,
17 Doctor?

18 A. It would be, yes.

19 (Whereupon, an off-the-record
20 conference transpired.)

21 (Whereupon, the Court Reporter read
22 the question commencing on page 31 line 17 and the
23 answer concluding on page 31 line 19.)

24 BY MR. BRICKMAN:

25 Q. Now, let's talk about latency for a

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 minute, Doctor. You just said if it were a
2 20-year latency period. Now you are aware that
3 shorter latency periods cause mesotheliomas,
4 aren't you?

5 A. They have been claimed after 15
6 years, yes.

7 Q. Haven't you seen them shorter than
8 that?

9 A. No.

10 Q. Never?

11 A. No.

12 Q. So the shortest latency period you
13 have ever heard of was 15 years?

14 MR. GARRARD: No, you asked him if
15 he had ever seen them.

16 BY MR. BRICKMAN:

17 Q. Okay, heard of or ever seen,
18 Doctor?

19 A. Obviously there have been shorter
20 ones claimed. Leave out the childhood
21 mesotheliomas which seem to be not associated with
22 asbestos and different causes of the tumor. The
23 tumor would be unknown.

24 Q. So the shortest latency period you
25 have seen in the literature or seen yourself is 15

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 years?

2 A. Yes.

3 Q. Doctor, let me give you a
4 hypothetical, sir. Let me ask you to assume that
5 somebody has an exposure to amphibole asbestos of
6 a sufficient intensity to satisfy your criteria
7 but that the latency period was less than 15
8 years. Would you then be of the opinion to a
9 reasonable degree of medical certainty that that
10 asbestos exposure was not the cause of the
11 mesothelioma?

12 MR. GARRARD: Wait a minute. Just
13 a minute. I object to your hypothetical, because
14 you are not giving the doctor enough information
15 about which to make a reasonable conclusion,
16 number one, and you are trying to set up some
17 general question, perhaps, because you have some
18 other case in mind. I don't know.

19 But that has nothing whatsoever to
20 do with these three cases about which he is here
21 to testify. And I object to that, further. But
22 to just ask the hypotheticals you have asked is
23 not giving him enough parameters to come to any
24 reasonable conclusion.

25 BY MR. BRICKMAN:

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Go ahead, Doctor; you can answer,
2 please.

3 MR. GARRARD: Doctor, if you have
4 sufficient information with which to answer the
5 question, you can answer it.

6 THE WITNESS: I don't know
7 sufficient information.

8 BY MR. BRICKMAN:

9 Q. What are you missing?

10 A. Missing the latency or elapsed
11 period which one feels essential to developing the
12 tumor.

13 Q. That's what I'm trying to get at.
14 Your opinion would be that no matter what the
15 exposure was, even if it was sufficient to cause a
16 mesothelioma, you would not attribute that
17 mesothelioma to asbestos because it was shorter,
18 the latency period was shorter, than 15 years?

19 A. Well, I think this is a
20 hypothetical question.

21 Q. Yes, sir. Is that correct?

22 MR. GARRARD: I again object,
23 because that is not what he said, number one. And
24 number two, he doesn't have enough information
25 with which to answer such a hypothetical.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 BY MR. BRICKMAN:

2 Q. Well, doctor if you don't have
3 enough information -- is that what you're saying,
4 you don't have enough information?

5 A. What I'm saying is that as far as I
6 know, the latest period that has been accepted is
7 15 years. This becomes a never ending question.
8 If you accept 15, would you accept 13, would you
9 accept 14?

10 Q. That's what I'm asking; would you
11 accept 14?

12 A. I still feel I would like to have
13 15 years.

14 Q. But would you accept 14?

15 A. This would be a unique experience.

16 Q. But you would accept it?

17 MR. GARRARD: He didn't say he
18 would accept it.

19 MR. BRICKMAN: He said a unique
20 experience. That means a unique experience he
21 would accept.

22 MR. GARRARD: He didn't say that.

23 THE WITNESS: No. What I was
24 saying, this is a situation which as far as I know
25 has not arisen. There have been a few cases

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 claimed less than 15. Mostly they have been shown
2 not to be mesotheliomas.

3 Q. Would you accept a 14-year latency
4 period?

5 A. If there was sufficient asbestos in
6 the lungs and there was the right type, yes, I am
7 afraid I would.

8 Q. And would you accept even lower
9 years for a latency if there was sufficient
10 asbestos fibers in the lung and the right fiber
11 type?

12 MR. GARRARD: Doctor --
13 Mr. Brickman, I object.

14 MR. BRICKMAN: Glad you're talking
15 to me instead of him.

16 MR. GARRARD: I object to your
17 continuing hypothetical, because I do not believe
18 the doctor has enough information with which to go
19 on down the road with you to a never ending
20 thing. I think that's an unfair question to the
21 doctor to continue that.

22 I also think it has nothing
23 whatsoever to do with the cases that we are here
24 about today. I have no desire to prolong this
25 deposition to the depths to which one might take

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 it into the North Sea if we're going to continue
2 along this type of track and would ask you to
3 please give that some consideration.

4 But I do object to continuing this,
5 because I think you are, perhaps not purposely,
6 but you are placing the doctor in a position
7 without adequate information that is not a fair
8 position.

9 MR. BRICKMAN: I want to be fair to
10 the doctor. I want to give him adequate
11 information. And all I want to know is what's the
12 shortest he would go for latency period if there
13 was sufficient exposure and sufficient number of
14 fibers, what's the lowest you would go for latency
15 period, Doctor?

16 MR. GARRARD: Doctor, if you can
17 answer the question, answer the question, but I do
18 ask you not to speculate.

19 THE WITNESS: When I say 15, that's
20 the latest that has been accepted by the courts in
21 this country.

22 BY MR. BRICKMAN:

23 Q. Courts in this country?

24 A. And I have got no evidence of cases
25 occurring with a less latent period that genuinely

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 turned out to be a mesothelioma.

2 Q. I am not concerned about the courts
3 in this country, not to be disrespectful. If I
4 were to give you examples in the United States of
5 latency periods in the United States where the
6 courts have accepted that, would that change your
7 opinion?

8 MR. GARRARD: I sure hope not,
9 Doctor.

10 THE WITNESS: No.

11 BY MR. BRICKMAN:

12 Q. What difference does it make with
13 the courts?

14 MR. GARRARD: He didn't say the
15 courts. He said that he had seen, either.

16 BY MR. BRICKMAN:

17 Q. Well, he tossed out the courts of
18 England, that that had some significance?

19 A. No. The latest, where a person put
20 forth the statement that the mesothelioma was due
21 to asbestos after a 15-year latency period.

22 Q. You heard this from who?

23 MR. GARRARD: I think he is talking
24 not an individual; I think he is talking the
25 lowest he has heard of in general.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 BY MR. BRICKMAN:

2 Q. Is your interpreter correct?

3 A. Yes.

4 Q. And that's the lowest you have seen
5 in the medical literature; is that correct?

6 A. Yes. That's the lowest I have
7 accepted.

8 Q. You have accepted, okay. Have you
9 seen in the medical literature reports of less
10 than 15 years?

11 A. Yes. There was a terrific argument
12 about a case with less than one year's exposure.

13 Q. And did you not accept that?

14 A. No.

15 Q. Why not?

16 A. Because the tumor turned out not to
17 be a mesothelioma.

18 Q. Have you heard or seen any other
19 reports in the medical literature of less than 15
20 years other than the one you just referred me to?

21 A. I have seen claims, yes, but I
22 don't know if they are authentic.

23 Q. Do you have any reason to dispute
24 their authenticity?

25 A. Well, one would like to see the

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 tissue of the background and find out more about
2 the exposure.

3 Q. But do you have any reason to
4 dispute their authenticity other than the fact
5 that you haven't seen it yourself?

6 A. No. But as I said, these cases
7 lack the statement of the amount of exposure.

8 Q. How much exposure do they have to
9 have for you to be satisfied?

10 A. I would say that as far as we go,
11 this is purely -- the work that we are doing would
12 be 1 million amphibole fibers of the specific
13 size -- in the specific size range of a diameter
14 of less than 0.25 microns and a length of greater
15 than 5 microns.

16 Q. In any of the cases you have
17 examined for Mr. Garrard out of the Texas group
18 that you have seen, did you count the number of
19 asbestos fibers?

20 A. No. The tissue wasn't available
21 for doing this.

22 Q. Are you in any way disputing any of
23 those cases on the basis that there wasn't
24 sufficient exposure? Let me clarify that
25 question.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Are you disputing the diagnosis of
2 mesothelioma in any of the three cases on the
3 grounds that there wasn't sufficient exposure?

4 MR. GARRARD: The diagnosis
5 itself?

6 BY MR. BRICKMAN:

7 Q. The diagnosis itself?

8 A. No. The diagnosis was on the
9 histology and the information received from the
10 United States.

11 Q. So in those cases you have seen
12 from Texas, you are assuming that there was
13 sufficient exposure to cause a mesothelioma.

14 MR. GARRARD: That's not what he
15 said.

16 MR. BRICKMAN: I want to ask him
17 that.

18 MR. GARRARD: I know, but you are
19 making the grand statements, Mr. Brickman, that he
20 hasn't said. He simply said in response to your
21 question that exposure was not the basis for
22 disputing the diagnosis --

23 THE WITNESS: These were
24 histological. These were the diagnoses, were they
25 mesotheliomas or not. Three of the cases they

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 were definitely mesotheliomas.

2 BY MR. BRICKMAN:

3 Q. Do you know anything about the
4 exposures in these three individuals?

5 A. Very little.

6 Q. Excuse me. I didn't mean to
7 interrupt you, Doctor. Go ahead and finish.

8 MR. GARRARD: Wait a minute. He is
9 confused. He is confused, because he is not aware
10 that what you are talking about right now is
11 Harris, McBryde and Warrick.

12 That's all we're talking about,
13 Doctor, is Harris, McBryde and Warrick. That's
14 what he is asking you about is just those three
15 cases.

16 BY MR. BRICKMAN:

17 Q. Let me then clarify. Have you
18 looked at other cases for Mr. Garrard other than
19 those three out of Texas?

20 A. I have looked at another three
21 cases, but purely on histological grounds.

22 Q. You have looked apparently at six
23 cases for Mr. Garrard?

24 A. Yes.

25 Q. Out of which only three are you

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 going to be testifying in?

2 A. Yeah.

3 MR. GARRARD: Well, while we are
4 talking about that, I have told you before we came
5 over here that he has looked at the Gray case, and
6 that there is more material going to him about the
7 Gray case. And he will not be deposed about that
8 case today, because I don't know what he is going
9 to do in that case until he has gotten
10 everything.

11 And as you recall, we agreed that
12 we will do a telephone deposition at some point.
13 So I don't want to go into that case because he is
14 not through, and he is not being offered in the
15 other cases.

16 MR. BRICKMAN: Can I ask him which
17 cases?

18 MR. GARRARD: No. Let's get to
19 these cases, Mr. Brickman, instead of wasting
20 time.

21 (Whereupon, an off-the-record
22 conference transpired.)

23 MR. GARRARD: Doctor, let me say
24 this to you. At any time you need to take a
25 break, you feel free, because this is not an

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 endurance process.

2 BY MR. BRICKMAN:

3 Q. Absolutely, Doctor. And I'm sorry
4 I didn't tell you that. Whenever you want to
5 stand up, go to the bathroom, get something to
6 drink, take a lunch break, you tell us.

7 MR. GARRARD: We are going to get
8 through by lunch I feel sure.

9 (Whereupon, an off-the-record
10 conference transpired.)

11 BY MR. BRICKMAN:

12 Q. With regard to the three cases
13 we're looking at today, Mr. Harris, Mr. McBryde
14 and Mr. Warrick, are you in any way disputing the
15 diagnoses of mesothelioma on the basis of lack of
16 sufficient exposure?

17 A. Not at all. Not on the basis of
18 that.

19 Q. Well, when you say not now...

20 MR. BRICKMAN: Mr. Garrard, let me
21 ask you this -- and you may or may not answer it
22 -- to determine what questions I have to ask. Do
23 you intend, Mr. Garrard, to pose a hypothetical to
24 the doctor as to the exposure in any of those
25 three cases so as to bolster his opinion that

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 those are not mesotheliomas?

2 MR. GARRARD: His diagnosis, as I
3 understand it, is not formed on the basis of what
4 the exposure was in relation to mesothelioma, so I
5 do not intend to pose a hypothetical to him that
6 says, Doctor, assuming X exposure, does that have
7 a bearing on your opinion as to whether or not
8 it's a mesothelioma.

9 MR. BRICKMAN: You will not ask
10 that question?

11 MR. GARRARD: That's correct. Not
12 in relation to whether or not it is a
13 mesothelioma. His opinions in relation to that,
14 as I understand them to be, come from his
15 examination of the materials in the case, not as
16 to what the exposure was as far as whether or not
17 it's a mesothelioma.

18 MR. BRICKMAN: But just so I'm
19 clear that you are not going to come to trial and
20 say, now, Doctor, in support of that, if his
-21 exposure was only so-and-so, does that support
-22 your opinion or the diagnosis of some other doctor
23 that says it's mesothelioma, you are not going to
24 do that?

25 MR. GARRARD: I don't understand

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 what you are asking me, Michael. I have tried to
2 be clear as to what I have said.

3 BY MR. BRICKMAN:

4 Q. Doctor, do you know what the
5 exposures are in these three individuals?

6 A. As far as I gather from the notes,
7 I know what the exposures are.

8 Q. What notes are you referring to?

9 A. The hospital notes and further
10 information from Mr. Garrard.

11 Q. You have in front of you some
12 papers; is that correct, Doctor?

13 A. Yes.

14 Q. Could I take a look at those,
15 please?

16 A. This is going back to what we went
17 through before.

18 (Whereupon, a recess transpired.)

19 BY MR. BRICKMAN:

20 Q. Doctor, you have given me some
-21 handwritten notes as well as what appears to be
22 the initial draft of your typed report?

23 A. Yes.

24 Q. Is that correct? Could you tell me
25 when the handwritten notes were done in these

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 cases?

2 A. The handwritten notes were done
3 over the last few weeks.

4 Q. And is that the case for all of the
5 handwritten notes?

6 A. Yes.

7 Q. None of them were done last night
8 or today?

9 A. No. One or two minor additions,
10 that's all.

11 Q. One or two additions were made last
12 night or today when you met with Mr. Garrard?

13 A. Yes.

14 Q. Could you just look through there
15 and tell me which ones were additions made while
16 you spoke to Mr. Garrard?

17 A. On the Billy Harris, there was a
18 discussion whether the thing was a biopsy or a
19 bloody tap on the 9th of the 12th, '87.

20 Q. You are identifying the handwritten
21 notes of Billy Harris. You were looking at the
22 back page, top line date, and it is a date
23 9-12-87, and I can't read what's written
24 thereafter, but that was information you added on
25 last night?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. Yes, just the fact that it was a
2 bloody tap of a pleural effusion. I mentioned it
3 was a blood clot containing occasional cells,
4 groups of cells which were suspicious of
5 malignancy.

6 Q. Anything else added to any of those
7 reports, sir?

8 A. Just the fact that the --

9 Q. Before you tell me what it is, tell
10 me which report you're referring to.

11 A. Horace McBryde.

12 Q. The handwritten report of Horace
13 McBryde?

14 A. And it was on the --

15 Q. The bottom of the first page?

16 A. Yes. Confirmation that the left
17 lung seemed to be clear on the 1st of the 6th, '88
18 and 1st of the 10th, '88.

19 Q. Anything else, Doctor?

20 A. No.

-21 Q. There are some other pages that are
22 on a pad that are not separated as some of the
23 other reports are. Were those added?

24 A. No. As far as I know, those are
25 old.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Would you look at all of them just
2 to be sure, sir?

3 A. Yes, there was.

4 Q. Wait a minute. Could I just see
5 what you are looking at, Doctor? You apparently
6 have some other handwritten notes.

7 A. This just says that -- McBryde,
8 bronchoscopies on the 8th of the 22nd, '87 and 7th
9 of the 20th, '87 showed no abnormality.

10 Q. And that was added last night?

11 A. That was added last night.

12 Q. Is that it?

13 A. That's it, yes.

14 Q. One page slipped out, just so you
15 keep them all together, the typed page.

16 MR. GARRARD: Right there.

17 (Whereupon, an off-the-record
18 conference transpired.)

19 BY MR. BRICKMAN:

20 Q. Doctor, I notice at the bottom of
-21 some of your typed reports, you have some penned
-22 in comments?

23 A. Yes --

24 Q. Let me just ask my question,
25 please. Just so it flows correctly on the

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 transcript.

2 When were those handwritten
3 comments made, because they are not on my typed
4 reports?

5 A. No. This was put on as an aid to
6 memory about a week ago, so I knew I could
7 remember these two tests.

8 Q. Were those two tests run on the
9 tissue that you had?

10 A. They were. Yes, they were.

11 Q. And which two tests were those?

12 A. The B human chorionic -- that's why
13 I put them down; I always forget it --
14 gonadotropin, and the carcinoembryonic antigen. I
15 always forget which the CEA is.

16 Q. I notice in your reports where you
17 refer to the CEA, but I don't notice where you
18 referred to the other.

19 A. That came in the notes in later
20 work to be done.

21 MR. GARRARD: The first one,
22 Michael, so you won't be confused is a test that
23 was done in the normal course of treating the
24 individual as opposed to a test that was run by
25 laboratories over here.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 BY MR. BRICKMAN:

2 Q. You did not run other than the
3 CEA -- well, strike that. I'll ask as we get to
4 it.

5 Doctor, do you believe that
6 asbestos can cause lung cancer in humans?

7 A. If there is sufficient heavy
8 exposure, yes. Sufficient exposure to cause
9 asbestosis.

10 Q. And what do you refer to as a
11 sufficient exposure, just the ability to cause
12 asbestosis?

13 A. Yes.

14 Q. And why do you require asbestosis
15 in order to attribute a lung cancer to asbestos
16 exposure?

17 A. In all the investigations we have
18 done.

19 Q. And who is we, while you're
20 explaining?

21 A. The team I worked with.

22 Q. Go ahead. Just tell me the team
23 and then carry on with your answer?

24 A. Myself, Dr. Pooley and the people
25 who referred specialists to us in the various

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 parts of Britain.

2 Q. Who?

3 A. Mainly came from the pneumoconiosis
4 panels.

5 Q. Who?

6 A. We were working down in Davenport.

7 Q. I need names, Doctor.

8 A. Dr. J. P. Lownes [phonetic] was
9 mainly responsible for seeing we got the tissue.

10 Q. Anybody else in that proverbial we
11 that you're referring to?

12 A. No. The basis of the technical
13 stuff and the basis of the question was receiving
14 lungs from people who had mesotheliomas, and these
15 came in from various parts of Britain, but mainly
16 from the dockyards down in Davenport.

17 Q. Again, I don't want to interrupt
18 you, but I think we are on two different
19 wavelengths. I'm talking about lung cancers.

20 A. Lung cancers.

-21 MR. GARRARD: Why don't you reask
22 your question, so he will know what you're asking,
23 Mr. Brickman.

24 BY MR. BRICKMAN:

25 Q. You are telling me, Doctor, that in

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 order for you to attribute a lung cancer to
2 asbestos, you required asbestosis?

3 A. Yes.

4 Q. However, you didn't say you, you
5 said we. You didn't say I require. You said we
6 require asbestosis. What I first want to ask you,
7 who is we? By name, who is we, and then tell me
8 why you require asbestosis.

9 A. This is --

10 Q. First of all who is we?

11 A. This is mainly Dr. Pooley and
12 myself and the technical staff who assisted on
13 this matter.

14 Q. Who is the technical staff? Are
15 they medical doctors?

16 A. No.

17 Q. Then we've got we. Now, why do you
18 require asbestosis?

19 A. And the work that we did with Dr.
20 Newhouse, Dr. Rossiter and Pooley and myself on
21 cases from London. All these cases showed that
22 when you have the carcinoma in these cases, these
23 were asbestos cases, the carcinomas had a very
24 high presence of fibers in the lungs. And this
25 came out with heavy dosage.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Okay. But why did you have to have
2 asbestosis as opposed to just a lot of fibers?

3 A. Well, this is fitted in from the
4 cases that Sir Richard Doll reported onwards, that
5 the people having the heavy exposures developed
6 the tumors.

7 Q. But not everybody who has a heavy
8 exposure gets asbestosis, correct, or do they?

9 A. No, not everyone.

10 Q. But isn't it possible for them to
11 have a heavy exposure, not get asbestosis, but get
12 an asbestos lung cancer?

13 A. No.

14 Q. Why not?

15 A. Because -- this has just come out
16 of all the epidemiological studies and the reports
17 and the practical stuff we did. People
18 radiologically, clinical asbestosis, who were
19 heavy smokers who developed carcinomas.

20 Q. Yes, sir, but they also found in
21 these studies that they had people who didn't have
22 asbestosis who did have lung cancer, didn't they?

23 MR. GARRARD: Excuse me. Object to
24 that because he hasn't said that.

25 MR. BRICKMAN: Well, I'm asking him

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 that.

2 MR. GARRARD: No, you're not.

3 You're telling him that.

4 BY MR. BRICKMAN:

5 Q. Doctor, did they find cases of lung
6 cancer without asbestosis in the ones you have
7 just referred to?

8 A. The ones I just referred to, the
9 cases that they found with lung cancer without
10 asbestos were people who had very minimal
11 exposure, and one assumed the lung cancer was
12 associated with the cigarette smoking rather than
13 the asbestos.

14 Q. It was assumed, but you had people
15 who had lung cancer who had asbestos exposure who
16 did not have asbestosis, correct?

17 A. Well, I think if you put the fact
18 that we all have a certain amount of asbestos
19 exposure. These are people with the absolute
20 minimum amount of fiber in their lungs.

21 Q. Are there not also people who have
22 intense exposure to asbestos who do not have
23 asbestosis but who also developed lung cancer?

24 A. I think as far as I know, the
25 figures in this country of people who smoke who

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 have asbestosis and are diagnosed by the
2 pneumoconiosis panels is about 70 percent develop
3 lung cancer or mesothelioma.

4 Q. So that if you have asbestosis, 70
5 percent of those have developed lung cancer or
6 mesothelioma?

7 A. Yes.

8 Q. Okay.

9 A. See, and cigarette smoking is the
10 major factor.

11 Q. But the 70 percent that you
12 referred to, you attributed part of the cancer to
13 asbestos exposure?

14 A. Part of the cancer to asbestos
15 exposure.

16 Q. Now, let's go off a bit. Let's get
17 away from that for a second. Mesothelioma. To
18 attribute a mesothelioma to asbestos exposure, you
19 don't require asbestosis, do you?

20 A. No. You require evidence of
21 asbestos exposure and a certain number of
22 amphibole fibers in the lungs.

23 Q. That's your criteria?

24 A. Yeah.

25 Q. But you do not require the dosage

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 sufficient to have caused asbestosis?

2 A. No.

3 Q. Now, mesothelioma is a type of
4 cancer, isn't it?

5 A. A type of cancer, yeah.

6 Q. Yes?

7 A. Yes.

8 Q. Explain to me, Doctor, why you
9 require asbestosis for a lung cancer to be
10 attributed to asbestos exposure, but you don't
11 require it for mesothelioma?

12 A. I think this is one of the problems
13 which hasn't been solved. Mesotheliomas do occur
14 with less asbestos in the lungs than you need for
15 lung cancer.

16 Q. But we also develop lung cancer
17 with lower levels of exposure, but you just don't
18 attribute them to asbestos. How do you know it's
19 not that lower level of exposure?

20 A. All the surveys, as far as I know,
21 that have been done have all taken these to be a
22 higher level of exposure.

23 Q. Now, Doctor, surely you have read
24 of studies in the medical literature that said
25 that asbestos can cause lung cancer without there

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 being concurrent asbestosis, haven't you?

2 A. I have seen some. I wondered about
3 the basis of those. But certainly in our
4 experience, this doesn't occur.

5 Q. You've seen only one?

6 A. No, I have seen several.

7 Q. Oh, several, okay. Which ones have
8 you seen?

9 A. There have been several from
10 Selikoff's laboratory.

11 Q. Would you agree with me that Dr.
12 Selikoff is an authority in asbestos-related
13 diseases?

14 A. Yes.

15 Q. And is there any reason to dispute
16 his work in that area?

17 MR. GARRARD: Excuse me.

18 BY MR. BRICKMAN:

19 Q. And if so, tell me why.

20 (Whereupon, an off-the-record
21 conference transpired.)

22 MR. GARRARD: Dr. Wagner has not
23 said that Dr. Selikoff in his judgment takes the
24 position that one need not have asbestosis.

25 MR. BRICKMAN: Well, I will clarify

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 that, then.

2 MR. GARRARD: In fact, Dr.

3 Selikoff's latest data would seem to indicate

4 things contrary to that, Mr. Brickman.

5 (Whereupon, an off-the-record

6 conference transpired.)

7 BY MR. BRICKMAN:

8 Q. Doctor, let me just clarify

9 something. When you referred to Selikoff's group,

10 were you also referring to Dr. Selikoff?

11 A. I thought he had suggested this did

12 happen. I know Nicholson has.

13 Q. Bill Nicholson is an authority in

14 the field of epidemiology and asbestos-related

15 diseases?

16 A. He has done considerable work.

17 Q. You don't consider him

18 authoritative? I'm not saying you agree with

19 everything he says. But do you consider him one

20 of the authorities in the area, even though you

21 may not agree with everything he says?

22 A. I consider him one of the persons

23 who has done a considerable amount of work in the

24 area.

25 Q. I guess that's close enough. I

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 guess that's probably as close as I'm going to
2 get.

3 Let me ask you to assume, Doctor,
4 you have an individual who has an occupational
5 exposure to asbestos over a long period of time,
6 and he develops lung cancer, and he is a cigarette
7 smoker, but he does not develop asbestosis. Would
8 you be of the opinion that asbestos played no role
9 in causing that cancer?

10 MR. GARRARD: If he didn't have
11 asbestosis?

12 BY MR. BRICKMAN:

13 Q. He did not have asbestosis?

14 A. I would attribute the disease to
15 cigarette smoking.

16 Q. 100 percent to cigarette smoking?

17 A. Well, the --

18 Q. Did asbestos play any part in it,
19 even a small role?

20 A. We know that cigarette smoking
21 played a very much greater part.

22 Q. But did asbestos play some role or
23 even a small role in that cancer in that
24 particular case?

25 MR. GARRARD: I object to your

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 question, your hypothetical at this point, because
2 you are now asking him to speculate, and he has
3 told you that he would attribute cancer to
4 cigarette smoking. And to take it any further
5 without further facts is to ask him to speculate.

6 BY MR. BRICKMAN:

7 Q. Go ahead, Doctor. Would you
8 attribute some small part of that cancer to that
9 man's asbestos exposure?

10 A. I think this is speculation. But
11 basically -- if he had the lung sufficiently --
12 heavy exposure, one would be in a difficult
13 position to say this played no part.

14 Q. Okay. All right. How would you
15 know, though, that cigarette smoking played the
16 greater part?

17 A. This has come out clearly in the
18 investigations both of Selikoff and Doll and
19 Jeffery Berry; the major carcinogen of the two is
20 the cigarette smoking.

21 Q. But how do you know in that
22 particular case cigarette smoking itself played
23 any role?

24 A. If he has been a heavy smoker for
25 the periods you mentioned and he developed a

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 carcinoma, one would assume that cigarette smoking
2 is the --

3 Q. Why?

4 A. This has come out on all the
5 studies that they have done on the association
6 between cigarette smoking and lung cancer.

7 Q. Well, there have been tests showing
8 that cigarette smoking can cause cancer, correct?

9 A. Can cause lung cancer, yes.

10 Q. And there have been tests that have
11 shown that asbestos can cause lung cancer, haven't
12 there?

13 A. But to a much lower degree.

14 Q. But you would agree with me that
15 asbestos can cause lung cancer, can't it?

16 A. If it causes asbestosis, yes.

17 Q. It can cause lung cancer, though?

18 A. Yes.

19 Q. All right. That cigarette smoking
20 example, is there something specific about the
21 tumor that shows it's a cigarette smoking cancer
22 as opposed to an asbestos cancer?

23 MR. GARRARD: Wait a minute,
24 Doctor.

25 MR. BRICKMAN: That's a pretty

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 simple question, now, Henry.

2 MR. GARRARD: But I don't remember
3 now what your hypothetical was, about which I
4 presume you are now still trying to ask
5 questions.

6 MR. BRICKMAN: I have given him all
7 the facts.

8 MR. GARRARD: I don't know that you
9 have given him all the facts, and I object to,
10 quote, that cigarette smoking example or whatever
11 it was you just said, because I don't remember
12 what your hypothetical was.

13 MR. BRICKMAN: Based on the fact
14 you don't remember, you have an objection, and you
15 can think of it later. You can find it out later
16 and go back in the record and object as well.

17 MR. GARRARD: No, I don't want to
18 do that. I guess I'm asking you, would you
19 restate your hypothetical, because if I have
20 forgotten it, the doctor may have forgotten what
21 it was, and I don't want something stated here
22 that is not appropriate.

23 MR. BRICKMAN: Be happy to.

24 BY MR. BRICKMAN:

25 Q. Doctor, in the case that I gave you

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 where a person had a long exposure to asbestos of
2 an occupational nature, and he was a cigarette
3 smoker, and he developed lung cancer, but not
4 asbestosis, why do you attribute it to the
5 cigarette smoking and not to the asbestos? Was
6 there something specific about a tumor that you
7 can attribute it to cigarette smoking as opposed
8 to some other cause?

9 A. The point -- I think -- the chap,
10 if he has got no primary fibrosis due to exposure
11 to asbestos, it is very unlikely to be a factor in
12 causing the disease.

13 Q. Well, why -- sorry.

14 A. The original -- there are so few
15 noncigarette smoking asbestos workers who develop
16 the tumor, that the original tumor, as far as I
17 know, was peripheral adenocarcinoma, and now all
18 types of carcinoma occur with the exposure to
19 asbestos -- sorry, with exposure to asbestos and
20 cigarette smoking.

21 Q. But is there something, though,
22 specific about a tumor that you can look at and
23 say that's a cigarette tumor as opposed to an
24 asbestos tumor?

25 A. No.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Do you require some evidence of
2 other damage to the lungs from cigarette smoking
3 such as emphysema so that you know that's a
4 cigarette tumor?

5 A. No, not necessarily.

6 Q. Why not if you require other
7 exposure -- other disease from asbestos? Why
8 don't you require other disease from cigarette
9 smoking? Do you understand my question?

10 A. I understand your question, yes.
11 This comes out. This is the epidemiological
12 association between cigarette smoking and
13 development of lung cancer.

14 Q. But doesn't the epidemiology also
15 say that asbestos can cause lung cancer?

16 A. If there is evidence of asbestos
17 damage to the lung.

18 Q. But there is sort of a circular
19 logic, isn't there, Doctor? As long as you
20 require asbestosis to attribute a lung cancer to
21 asbestos exposure, you automatically exclude all
22 those lung cancers where there was asbestos
23 exposure but no asbestosis.

24 MR. GARRARD: Wait a minute. Is
25 that a question? That's a statement.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: I'm asking him,
2 isn't that the logic he is working under?

3 MR. GARRARD: Excuse me. Object to
4 that because he has told you that epidemiologic
5 evidence is different for the two diseases and the
6 two substances.

7 BY MR. BRICKMAN:

8 Q. Do you understand my confusion,
9 Doctor?

10 MR. GARRARD: I don't.

11 THE WITNESS: I don't.

12 BY MR. BRICKMAN:

13 Q. Surely, Doctor, you have come
14 across cases --

15 MR. GARRARD: Wait a minute. Did
16 you finish your answer?

17 THE WITNESS: I was saying that in
18 my belief, one needs evidence of asbestosis before
19 one can associate the asbestos exposure with
20 development of the lung cancer.

21 Q. But you don't need it --

22 A. Whereas the cigarette smoking,
23 heavy cigarette smoking, you may find signs of
24 excessive mucous production and emphysema, but
25 these aren't essential.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. That's my confusion. Why aren't
2 they essential if you require some other damage to
3 the lungs from asbestosis?

4 A. This is the nature of the disease.
5 I can't answer it.

6 Q. In any of your studies that you
7 have done, have you ever come across asbestos
8 workers who got lung cancer who did not have
9 asbestosis?

10 A. In my opinion, no.

11 Q. You have never seen a case of a
12 lung cancer in an asbestos worker who did not have
13 asbestosis; is that correct?

14 A. Yes, I have seen it, but I have not
15 attributed it to the --

16 Q. Why didn't you attribute it?

17 MR. GARRARD: Wait a minute. Did
18 you finish your answer? Did you finish your
19 answer?

20 THE WITNESS: Yes.

21 BY MR. BRICKMAN:

22 Q. Why didn't you attribute it to the
23 asbestos exposure?

24 A. Because I firmly believe that you
25 need evidence of primary fibrosis due to asbestos

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 before you do it.

2 Q. Is there a scientific reason for
3 that that you've got to have some scarring in the
4 lung? Is it the scarring that causes the asbestos
5 lung cancer?

6 I really have never understood why
7 you have to have asbestosis first. I'm not trying
8 to trick you, Doctor. I know there are cases of
9 lung cancer in asbestos workers. Why couldn't
10 that asbestos have caused that lung cancer without
11 causing asbestosis?

12 A. I would just say that my belief is
13 that you would need lung damage, primary fibrosis.

14 Q. Why? Is there some mechanical
15 process?

16 A. Possibly a means of attaining the
17 asbestos in the lungs in cases where there is
18 fibrosis.

19 Q. You mean you're just giving
20 possibilities? You don't have any theory as to
21 why you require the asbestosis?

22 A. I think the material has got to
23 remain in the lung tissue for a long time to cause
24 the disease. It's retention.

25 Q. But you can have asbestos fibers in

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 your lung all your life and not get asbestosis,
2 correct?

3 A. Yes, I agree with that.

4 Q. Why isn't that retention sufficient
5 to cause lung cancer?

6 A. I think we're going into the field
7 of speculation as to why it happens. I don't
8 believe there is any proof for the reason why it
9 happens.

10 Q. But it does happen. We know they
11 get lung cancer. We know they have asbestos in
12 their lungs. They just don't have asbestosis.
13 What I want to know is what scientific basis is
14 there to say that you have to have the fibrosis as
15 opposed to just the fibers?

16 MR. GARRARD: I object to the
17 repetitive nature of your questions. He has
18 already told you that he is basing it in part on
19 his own work and in part on the epidemiology.

20 MR. BRICKMAN: I want to know
21 specifically what he is basing it on, Henry.
22 Maybe I'm wrong. I don't think the doctor knows
23 for sure. I want to know the answer.

24 MR. GARRARD: This is not so
25 mysterious to you. You have heard many other

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 doctors express the same opinion, so don't act so
2 shocked.

3 MR. BRICKMAN: I have never
4 understood it for those doctors who hold that
5 opinion why they hold that opinion.

6 BY MR. BRICKMAN:

7 Q. Go ahead, Doctor.

8 A. The reason is on the cases we have
9 done, that you find a large number of fibers,
10 whereas mesothelioma occurs among people with
11 slight, low retention of fibers. The lung cancers
12 and the asbestosis occur both among people with
13 the large number of fibers in their lungs. And
14 the large number of fibers that are associated in
15 these cases with the fibrosis.

16 Q. But haven't you seen cases of
17 retention of large amounts of fibers without
18 asbestosis?

19 A. Rarely in our work.

20 Q. I'm sorry?

21 MR. GARRARD: In our work rarely.

22 BY MR. BRICKMAN: .

23 Q. But you can have? You can have --
24 strike that. At what point do you have a high
25 retention of fibers? What number are you

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 referring to, Doctor?

2 A. I'm referring to something like 100
3 million.

4 Q. Have you seen cases where you have
5 had 100 million --

6 A. 100 million fibers per gram dry
7 weight of lung.

8 Q. Have you ever seen cases where you
9 found that number, and they didn't have
10 asbestosis?

11 A. Amphibole fibers and, to the best
12 of my recollection, they have all had fibrosis,
13 but I may be wrong on this.

14 Q. Let me just ask you to assume,
15 since we don't know for sure, that some of them
16 didn't have fibrosis, okay? They obviously had a
17 lot of exposure, but if we assume there was some
18 cases where there was no fibrosis, would you then
19 be in a position to say that in those cases that
20 that retention was sufficient to cause lung cancer
21 even without the fibrosis?

22 A. I think one would have to consider
23 it, but I am not sure.

24 Q. It might be able to cause it
25 without the fibrosis under those circumstances; is

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 that correct?

2 A. Well, I think one would have to
3 consider it, yes.

4 Q. You wouldn't rule it out under
5 those circumstances?

6 A. No, I would want more proof.

7 Q. You would want more proof of what?

8 A. Of the people with this very high
9 count not having any fibrosis at all.

10 Q. Okay, that's fair enough. As long
11 as we have that, you would consider it then?

12 A. Yes.

13 Q. You would not rule out asbestos as
14 a cause under those circumstances?

15 A. The chap is not a cigarette smoker?

16 Q. He may or may not be. What I'm
17 asking you is, if you have that retention that you
18 require, whatever that number was, would you
19 attribute the asbestos exposure -- or the lung
20 cancer, I'm sorry -- would you attribute the lung
21 cancer in part to the asbestos exposure, given
22 that high retention?

23 MR. GARRARD: In the absence of
24 smoking?

25 MR. BRICKMAN: I didn't say in the

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 absence of smoking. I'm saying it doesn't make a
2 difference whether he smoked or not. I'm asking.

3 THE WITNESS: If he was smoking,
4 put it much more towards the smoking.

5 BY MR. BRICKMAN:

6 Q. But would you put part of it to the
7 asbestos?

8 A. Would consider it, yes.

9 Q. And when you say you would consider
10 it, what would give you the impetus to say, yes,
11 asbestos played a part? What more would you
12 need?

13 MR. GARRARD: If you know, Doctor.

14 BY MR. BRICKMAN:

15 Q. If you know.

16 A. I have always considered that there
17 must be some fibrosis in the lung before one
18 accepts this.

19 Q. But the reason you say you have got
20 to have fibrosis is because you want to be able to
21 say there has been sufficient retention of
22 specific fibers?

23 A. Holding the fibers in a specific
24 place, specific parts of the lung.

25 Q. Well, now, you're not saying the

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 cancer arises from the site of the fibrosis, are
2 you?

3 A. No.

4 Q. You're just saying it has to be
5 sufficient fiber retention in the lungs; is that
6 correct?

7 A. Yes.

8 Q. If you have sufficient fiber
9 retention in the lungs without asbestosis, you
10 still meet your criteria, don't you?

11 A. If you have --

12 Q. Sufficient fibers in the lungs for
13 sufficient periods of time, you still meet the
14 criteria for attributing a lung cancer at least in
15 part to asbestos exposure; is that correct?

16 A. Is it a true or hypothetical
17 situation?

18 Q. It's a hypothetical. We don't have
19 a specific situation. As a hypothetical, you
20 would then have it, wouldn't you?

21 A. Well, as a hypothetical situation,
22 one would have to consider it, yes.

23 Q. And not only -- you keep using the
24 phrase consider it, Doctor. You might also
25 consider these space invaders zapping somebody?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. No.

2 Q. Would you then be of the opinion
3 that more likely than not that asbestos played
4 some role in that cancer?

5 MR. GARRARD: Doctor, Mr. Brickman
6 is asking you again a hypothetical on fairly
7 scanty information, and I ask you not to
8 speculate. If you give him an opinion based upon
9 your knowledge of science and literature and your
10 experience at this point, that's fine; but I ask
11 you not to speculate.

12 BY MR. BRICKMAN:

13 Q. Go ahead, Doctor. Would you then
14 be of the opinion that asbestos played some role
15 since we had sufficient retention for sufficient
16 period of time?

17 MR. GARRARD: In a nonsmoker?

18 MR. BRICKMAN: Smoker or
19 nonsmoker. It doesn't make a difference.

20 THE WITNESS: I'm not sure of that
21 amount of fiber in your lung without causing a
22 reaction.

23 BY MR. BRICKMAN:

24 Q. Your theory, as I understand it,
25 would be satisfied so that you would attribute at

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 least part of the lung cancer to asbestos; is that
2 correct?

3 MR. GARRARD: No.

4 THE WITNESS: I'm saying I would be
5 surprised to see a chap with so much asbestos in
6 his lung and no reaction.

7 BY MR. BRICKMAN:

8 Q. Okay. But if you did, if you
9 did -- it's a hypothetical, Doctor. Give me the
10 hypothetical. If you did find that person, would
11 you then attribute the lung cancer in part to the
12 asbestos?

13 A. I need more evidence than one
14 case. This is a sort of change in the crux to the
15 whole problem.

16 Q. How many cases would you need?

17 A. This is getting --

18 MR. GARRARD: This is getting into
19 real speculation, and I would ask you not to
20 speculate. And I would object to any further
21 questions at this point.

22 BY MR. BRICKMAN:

23 Q. Doctor, how many cases have you
24 seen of lung cancer in individuals who had
25 asbestos exposure but not asbestosis?

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. GARRARD: Who were not
2 smokers?

3 MR. BRICKMAN: Doesn't make a
4 difference.

5 MR. GARRARD: Sure it does.

6 BY MR. BRICKMAN:

7 Q. I am asking how many individuals he
8 has seen who were asbestos workers who did not
9 have asbestosis who had lung cancer. How many
10 have you seen?

11 A. The cases that I can remember that
12 I have seen with lung cancer and heavy asbestos
13 exposure have all had fibrosis.

14 MR. BRICKMAN: Let me take a couple
15 minute break if you don't mind to go to the
16 bathroom. Is that okay, Doctor?

17 THE WITNESS: Okay.

18 (Whereupon, a recess transpired.)

19 BY MR. BRICKMAN:

20 Q. Doctor, do you smoke?

21 A. No.

22 Q. Did you used to smoke?

23 A. Yes.

24 Q. Okay. You just recently quit?

25 A. No. 1969.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. 1969 you quit, okay. And how long
2 did you smoke for?

3 A. I suppose about 20 years. I think
4 from '51 to '69.

5 Q. But from 1969 on, you have not
6 smoked any cigarettes?

7 A. No.

8 (Whereupon, an off-the-record
9 conference transpired.)

10 BY MR. BRICKMAN:

11 Q. Doctor, other than the research you
12 mentioned earlier, are you doing any other
13 research?

14 A. We're involved in --

15 Q. And who is we? When you say we,
16 I've got to ask you.

17 A. The group, Dr. Pooley, Dr. Gibbs
18 has taken over most of my stuff, and myself. We
19 are trying to find out the incidence of tumors
20 related to Amosites in this country and South
21 Africa.

22 Q. Okay. And have y'all found cases
23 of tumors caused by Amosite?

24 A. At the present moment, with very
25 heavy dosage -- we haven't sort of completed the

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 work, and we haven't gotten material precisely we
2 wish to have. Very initial stuff, mainly, and
3 trying to get the material.

4 Q. Do you have the opinion that
5 Amosite can't cause tumors?

6 A. I think very high dosage, if you
7 get enough of the fine fibers, it probably can.
8 Not much less than the -- the blue fiber.

9 Q. Can Chrysotile cause tumors, in
10 your opinion?

11 A. Excessive exposures to Chrysotile
12 will cause asbestosis.

13 Q. And if you have asbestosis, can you
14 then have the tumors?

15 A. Then you can have the tumors.

16 Q. So Chrysotile can cause the tumors
17 if you have the asbestosis?

18 A. Yes. These mesotheliomas, we still
19 feel that Chrysotile itself does not cause
20 mesothelioma.

21 Q. But Chrysotile can cause lung
22 cancer, cancers, and so can the Amosite, and so
23 can the Crocidolite?

24 A. Can cause, yes, lung tumors. And
25 Tremolite, yes.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. And Amosite can cause mesothelioma?

2 A. This is a matter that is still
3 under investigation. Probably with very heavy
4 dosage.

5 Q. Probably can cause mesothelioma; is
6 that correct?

7 A. Yes.

8 Q. Other than you and Dr. Gibbs, do
9 you know anybody who is of the opinion that
10 Amosite cannot cause mesothelioma?

11 A. Certainly in South Africa, I think
12 they are going at the most, four or five
13 mesotheliomas associated with Amosite compared to
14 thousands associated with the Crocidolite.

15 Q. No, you missed my question. Other
16 than you and Dr. Gibbs, do you know anybody who is
17 of the opinion that Amosite cannot cause
18 mesothelioma? Strike that. Do you know anybody
19 who says Amosite cannot cause mesothelioma other
20 than perhaps Mr. Garrard's client?

21 A. If it does --

22 Q. No, no.

23 MR. GARRARD: Wait a minute.

24 BY MR. BRICKMAN:

25 Q. Do you know of anybody?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. GARRARD: Hold it.

2 THE WITNESS: It was my view for a
3 long time, and the cases are still coming through.

4 BY MR. BRICKMAN:

5 Q. Doctor, can you name for me any
6 person who believes Amosite cannot cause
7 mesothelioma?

8 MR. GARRARD: Do you understand his
9 question?

10 THE WITNESS: Yes. I think it
11 depends -- it appears to have caused a few.

12 BY MR. BRICKMAN:

13 Q. You're not understanding my
14 question, Doctor. Name me names; name people --
15 give me the names of anybody who in your opinion
16 believes Amosite cannot cause mesothelioma? Who
17 believes that?

18 A. I think at the present moment this
19 is why it's being investigated.

20 MR. GARRARD: I don't know what
21 your confusion is, Mr. Brickman. He hasn't told
22 you that, number one, on present evidence he knows
23 it doesn't; and number two, he hasn't told you
24 that he is knowledgeable of people taking that
25 position.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: Well then all he has
2 got to say is he doesn't know of anybody.

3 BY MR. BRICKMAN:

4 Q. Do you know anybody --

5 MR. GARRARD: Don't shout, please.

6 BY MR. BRICKMAN:

7 Q. -- who believes Amosite can't cause
8 mesothelioma? Do you know anybody like that? Yes
9 or no.

10 A. At the present moment, no.

11 Q. Do you know anybody who says
12 Amosite can't cause lung cancer?

13 A. No. If it causes fibrosis, it will
14 cause lung cancer.

15 Q. Do you talk to Dr. Gibbs on a
16 regular basis?

17 A. Yes, I do.

18 Q. And did you talk to Dr. Gibbs about
19 these three cases that you reviewed for Mr.
20 Garrard?

21 A. After we reviewed them, yes, we did
22 have a discussion, because he is taking over most
23 of my staff and all the histochemistry that is
24 done there.

25 Q. Okay. So the person that did your

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 histochemistry is the same person that did Dr.
2 Gibbs' histochemistry?

3 A. Yes.

4 Q. You yourself did not do the
5 histochemistry?

6 A. I do not have the facilities.

7 Q. Did you oversee whatever, the
8 staining and whatever else is done, for the
9 histochemistry and the immunohistochemistry? Did
10 you oversee it in some fashion?

11 A. No. I saw the results.

12 Q. And the results you saw were the
13 slides themselves, or did you see the report of
14 the technician?

15 A. No, the slides themselves.

16 Q. You saw the slides, and you said,
17 either it's stained positive or negative?

18 A. Yes.

19 Q. Okay. At the time you saw the
20 slides and made your report of whatever the
21 staining showed, had you discussed with Dr. Gibbs
22 what he had found?

23 A. No. We looked independently and
24 then we came around to discuss the histochemistry.

25 Q. Did he do separate stainings from

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 yours?

2 A. No.

3 MR. GARRARD: The stains were done

4 at the same laboratory, Mr. Brickman.

5 BY MR. BRICKMAN:

6 Q. Okay, that's what I'm asking.

7 A. They were the same.

8 Q. So the same stain you saw, he saw?

9 A. Yes.

10 Q. And did he provide you with any
11 information -- this is Dr. Gibbs -- that you did
12 not have yourself?

13 A. Well, he had the --

14 Q. Other than the stainings that were
15 done?

16 A. No.

17 Q. What did y'all discuss?

18 A. We discussed the positivity or
19 negative nature of the staining.

20 Q. Did you take any notes?

21 A. The notes are here.

22 Q. Did you take any other notes from
23 that meeting?

24 A. I wrote them on a paper. It's not
25 here.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Where do you have them? Where,
2 sir?

3 A. I can't remember. I just wrote
4 down positive or negative as the stuff may be.

5 Q. When you wrote down positive or
6 negative --

7 A. What one found from looking at the
8 sections.

9 Q. Did you look at them at the same
10 time that Dr. Gibbs looked at them?

11 A. No, we looked at them separately,
12 and then we looked at them together.

13 Q. Were you up in -- where is his lab?

14 A. In Pernarth.

15 Q. How do you spell that?

16 A. P E R N A R T H.

17 Q. Okay, Pernarth. When you looked at
18 them in Pernarth, did you look at them on the same
19 day that Dr. Gibbs looked at them?

20 A. No, he had looked at them earlier.

21 Q. He looked at them before you?

22 A. Yes. We did have a combined look
23 after.

24 Q. Did the combined look change your
25 opinion in any way as to whether the staining was

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 more positive or less positive?

2 A. No.

3 Q. Do you have any handwritten notes
4 as to what you found on examining the stains?

5 A. Not retained, no.

6 Q. You threw them out?

7 A. Uh-huh.

8 Q. Is the answer yes?

9 A. The answer is, as far as I know,
10 yes.

11 Q. You just mentioned a few minutes
12 earlier that you had some handwritten notes that
13 you thought you had that you may have kept?

14 MR. GARRARD: He said he made
15 some. He never said he kept them.

16 BY MR. BRICKMAN:

17 Q. You made some other notes? Did you
18 throw away all of your other notes?

19 A. I think I have still got some.

20 Q. Which ones do you have?

21 A. Just the write-up on the positivity
22 of the tests.

23 Q. Okay. Do you have a problem with
24 providing those to Mr. Garrard and giving them to
25 me?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: And, Mr. Garrard, do
2 you have a problem with that, or do I need to
3 subpoena those as well?

4 MR. GARRARD: I haven't seen the
5 notes, Mr. Brickman, so I don't know.

6 BY MR. BRICKMAN:

7 Q. Doctor, let me ask you not to throw
8 those out, okay? And these are your handwritten
9 notes on the staining; is that correct?

10 A. Yes. I don't know if I have got
11 them. I've got some handwritten notes roughly on
12 it.

13 (This page contains information to
14 be supplied by counsel and/or the deponent.)

15 Q. Do you have any material here at
16 the hotel other than what's in front of you,
17 Doctor?

18 A. A few notes, yes.

19 Q. Let's take a break and let me ask
20 you to go get those, Doctor.

21 MR. GARRARD: No.

22 MR. BRICKMAN: No, Mr. Garrard,
23 don't play games with me. If he has notes, I want
24 to see them. It's upsetting with me.

25 MR. GARRARD: Don't get upset. I

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 don't know what he has got. I don't know if
2 you're entitled to anything. He is not under
3 subpoena, so the truth is you are not entitled to
4 them.

5 MR. BRICKMAN: I'm going to ask him
6 to produce them. If not, I am going to call
7 Texas.

8 MR. GARRARD: I would like you to
9 call Judge Parker. I think he would like getting
10 up at 5:00 AM in the morning. I don't know what
11 the notes are.

12 MR. BRICKMAN: They are here in the
13 hotel.

14 MR. GARRARD: Don't shout. This is
15 not a Charleston or Barnwell hotel.

16 MR. BRICKMAN: You're playing games
17 with me.

18 MR. GARRARD: Nobody is playing
19 games. I don't know what the notes are. Nobody
20 is playing games. If anybody is playing games --
21 we have been here for two hours.

22 MR. BRICKMAN: This doctor is
23 telling me he threw away notes, and he knows he
24 has them in the hotel room.

25 MR. GARRARD: He hasn't said that.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Let's not get into that situation.

2 MR. BRICKMAN: Let's get[^]down to
3 the nitty-gritty. I would like to see the notes.
4 Are you going to produce them and if so --

5 MR. GARRARD: Are we going to get
6 through at lunchtime?

7 MR. BRICKMAN: We are obviously not
8 going to get through at lunchtime.

9 MR. GARRARD: Why are we wasting
10 time when we haven't talked about any of these
11 cases?

12 MR. BRICKMAN: You may refer to it
13 as wasting time. Perhaps you are wiser than me.
14 This is the way I fumble through depositions. I
15 do the best I can. I try to get to the points I
16 am making, but at this point in the deposition, I
17 would ask you to produce those notes, whatever
18 notes he has. Whatever he has got on those cases,
19 let me see it.

20 MR. GARRARD: I don't know what the
21 notes are.

22 MR. BRICKMAN: Let's go look at
23 them, Henry.

24 MR. GARRARD: Why don't we go on
25 until lunchtime.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: Now is a good time.
2 We are right on point. They're in the hotel. It
3 can't be more than five minutes. You'll say yea
4 or nay, Michael.

5 MR. GARRARD: But you are not
6 entitled to them, Mr. Brickman.

7 MR. BRICKMAN: I'm asking you to
8 produce them.

9 MR. GARRARD: When I look at them,
10 I'll see.

11 MR. BRICKMAN: Because now is the
12 time I am discussing it. Now is the time I'm
13 talking about what his handwritten notes will
14 show. Now is the appropriate time. Will you
15 please do it now, Mr. Garrard?

16 MR. GARRARD: See, it sets a bad
17 precedent, Mr. Brickman, when there is no subpoena
18 if I voluntarily let you look at these notes
19 without any quarrel.

20 MR. BRICKMAN: Mr. Garrard, are you
21 going to produce them or not?

22 MR. GARRARD: I don't know.

23 MR. BRICKMAN: Would you go look
24 and tell me what you are going to do?

25 MR. GARRARD: I don't have them.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: Well, go get them,
2 please. Are you going to do it or not?

3 MR. GARRARD: I'm thinking about
4 it.

5 (Whereupon, a recess transpired.)

6 BY MR. BRICKMAN:

7 Q. You have now handed me, Doctor,
8 some additional notes that you had up in your
9 room; is that correct?

10 A. Yes.

11 Q. And these are on Mr. McBryde,
12 Mr. Warrick, and Mr. Harris; is that correct?

13 A. Correct.

14 Q. And they have at the top,
15 medical-legal case, Texas series?

16 A. Those are Dr. Gibbs'.

17 Q. Are these all Dr. Gibbs' notes?

18 A. Yes.

19 Q. Do you have any notes of your own?

20 A. Well, the writing on there is
21 mine.

22 MR. GARRARD: There is some writing
23 on there which is his, Mr. Brickman.

24 BY MR. BRICKMAN:

25 Q. Let me ask this question --

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. I just took the thing down roughly
2 and compared the results.

3 Q. Other than your handwritten notes
- 4 that are on these documents, do you have any other
5 papers with regard to these cases?

6 A. No.

7 MR. GARRARD: He has already shown
8 you some.

9 BY MR. BRICKMAN:

10 Q. Other than the ones you have showed
11 me this morning, do you have any other notes,
12 typed, handwritten, anything on these cases?

13 A. No.

14 Q. Do you have anything at home?

15 A. No. All I have you have there.
16 I've got the other cases at home, yes.

17 Q. The other cases at home. But
18 everything on these three cases or general notes,
19 you have produced to me and you have nothing else
20 at home?

-21 A. No.

-22 MR. GARRARD: He has got the
23 medical records we sent him.

24 THE WITNESS: I've got the --

25 BY MR. BRICKMAN:

• A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Did you bring the medical records
2 with you to the hotel?

3 A. No.

- 4 Q. Why not?

5 A. Because I have been through them,
6 and I thought that Mr. Garrard would have his
7 share, and they are pretty bulky to carry around.

8 Q. Now, let me take a look at these
9 for a second, Doctor. Bear with me.

10 Do you know whose forms these are
11 that you have given me that have medical-legal
12 case, Texas series?

13 A. Those are Dr. Gibbs'. He gave them
14 to me afterwards, because I saw a limited amount
15 of material, just to show me what the other
16 material was.

17 Q. Are the notes that are in pen that
18 are not copied, those are your notes?

19 A. Those are my notes, yes.

20 Q. And when were those notes made?

-21 A. These were made the first time I
-22 went through the histories.

23 Q. The first time you went through the
24 histories?

25 A. Yes.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Is that correct?

2 A. My notes.

3 Q. The notes that I am referring to
- 4 that I am showing you that now you just gave me,
5 they are typed on there, medical-legal case, Texas
6 series and on the back of the last page I see some
7 notes. Those are yours?

8 A. Yes.

9 Q. Those were made when, when you
10 reviewed the histories?

11 A. First reviewed the histories, yes.

12 Q. And did you review the history up
13 at Pernarth? Is that when you looked at the
14 medical records?

15 A. The medical records I had down in
16 Weymouth.

17 Q. In Weymouth?

18 A. Yes.

19 Q. And you looked at the medical
20 histories before you looked at the stains?
-21 A. No. Because the medical histories
-22 arrived after I had seen the stains.

23 Q. So you saw the stains and then you
24 got the medical histories?

25 A. Yes.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. When did you get Dr. Gibbs' notes?

2 A. After we had been through the

3 cases. The first time I went through the cases.

- 4 I had seen everything, and then Mr. Garrard had to

5 get the material back. So I made sufficient notes

6 on the first time through on the histochemistry.

7 I hadn't been through the detail of all the

8 slides.

9 Q. I apologize, would you tell me that

10 one more time? Go through the chronology of when

11 you got materials and when you took notes.

12 A. I went up and saw the cases.

13 Q. When you say the cases, the slides?

14 A. The slides, yes.

15 Q. You saw the slides at Pernarth?

16 A. Pernarth.

17 Q. That's the first thing you ever

18 saw?

19 A. First thing I ever saw.

20 Q. And you saw the slides before you
-21 talked to Dr. Gibbs?

-22 A. Yes.

23 Q. Did you tell Dr. Gibbs which stains
24 to use or anything like that?

25 A. No. The ones that had been done.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 He had the -- the cases were there, because he had
2 the only opportunity of seeing the cases.

3 Q. So before you talked to him at all
- 4 about it, you saw the slides?

5 A. Yes.

6 Q. Is that correct?

7 A. Yes.

8 Q. And after seeing the slides, you
9 talked to him about the cases?

10 A. Yeah.

11 Q. And at that time, did he give you
12 these items marked medical-legal, Texas series?

13 A. They were xeroxed. They came down
14 later. These I got on my second visit. There
15 were four visits up there.

16 Q. You went four times to Pernarth?

17 A. Yes.

18 Q. The first time you went to
19 Pernarth, did you look at all three of these
20 cases, Harris, Warrick and McBryde?

-21 A. Seven cases -- nine cases.

-22 Q. Did you look at all nine cases the
23 first time you went up to Pernarth?

24 A. No. I looked at eight. One was
25 added later.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Is that the Gray case? Is that the
2 Gray case that was added later?

3 A. No.

- 4 Q. So you looked at eight or nine
5 cases. And as far as you know, of those eight,
6 McBryde, Warrick and Harris were three of the
7 eight or nine?

8 A. Yeah.

9 Q. What did you go up the second time
10 for?

11 A. The second time, I went up to
12 receive these notes and see what was left of the
13 histology.

14 Q. Okay. What do you mean what was
15 left of the histology?

16 A. Because what happened was I had a
17 look through the cases as far as I could do in a
18 limited time, because they had to go back. And
19 then Dr. Gibbs had done some extra study on the
20 cases he had left, and I came up and saw those.

-21 Q. So you saw the initial stains.
-22 They had to be shipped back to Mr. Garrard?

23 A. Yeah.

24 Q. Then you came back a second time,
25 and Mr. Garrard shipped them back to you again?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. No. There had been a certain
2 number of blocks. I never saw the total. There
3 were a certain number of blocks, and on those, Dr.
- 4 Gibbs had taken slides.

5 Q. And the second time up you saw the
6 slides you hadn't seen the first time around; is
7 that correct?

8 A. I saw them in more detail, yes.

9 Q. What do you mean more detail?

10 A. The first time I looked at them, we
11 hadn't a written report. The second time I
12 prepared the report.

13 Q. All right. The first time you just
14 looked at them and didn't make any report?

15 A. No.

16 Q. Just your notes?

17 A. Notes, yes.

18 Q. The second time you went up there,
19 you dictated a report or you typed up a report?

20 A. The reports that are here, yes.

21 Q. Before you did the second report,
22 did you have Dr. Gibbs' reports?

23 MR. GARRARD: You mean his notes?

24 BY MR. BRICKMAN:

25 Q. His notes?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. Yes.

2 Q. Did you also have his typed report?

3 A. I haven't seen his typed report.

4 Q. You haven't seen his typed report.

5 And before you did your typed report, had you

6 already discussed the case to some extent with Dr.

7 Gibbs?

8 A. Yes.

9 Q. What did you go up there the third
10 time for?

11 A. The third time -- the third time
12 was to get the reports typed up because I had the
13 secretary up there. I had another look at some of
14 the cases. And the fourth time I went up there
15 for a final look at all the cases.

16 Q. Each time you went up there, did
17 you discuss them with Dr. Gibbs?

18 A. Yes.

19 Q. Okay.

20 A. At various stages.

21 Q. Did you and he disagree about
22 anything in these cases that you recollect?

23 A. Not -- not on these -- we disagreed
24 on one of these cases.

25 Q. Do you recall what that is?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. That was on the final diagnosis
2 on -- not Harris. On Alvin Warrick.

3 Q. And in that case?

- 4 A. He thought it was a teratoma, and I
5 thought it was an earlier germ cell tumor. And
6 then he showed me further histochemistry that
7 showed he was probably right on the case.

8 Q. Now, Doctor, you mentioned earlier
9 that you had yourself some handwritten notes on
10 the stains themselves?

11 A. Yeah.

12 Q. Where are those?

13 A. Those I didn't keep.

14 Q. You have thrown them all out?

15 A. I have thrown them all out.

16 Q. None at home?

17 A. None at home.

18 Q. None here at the hotel?

19 A. None at the hotel.

20 Q. None that exists anywhere to your
-21 knowledge?

-22 A. No. Very brief notes, plus, minus,
23 plus, which appear in the document.

24 Q. And as of this point in time, there
25 exist no other notes that were written by you or

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 typed by you on these three cases?

2 A. No. Because --

3 Q. And if they are in your trash can

4 in your hotel, I --

5 A. They are not in the trash can in

6 the hotel.

7 MR. GARRARD: Mr. Brickman, I don't

8 appreciate that. We do not engage --

9 MR. BRICKMAN: If we had started

10 out the beginning of the deposition without

11 playing games --

12 MR. GARRARD: Nobody is playing

13 games.

14 MR. BRICKMAN: Bull.

15 MR. GARRARD: Nor was there any

16 subpoena in regard to this case, Mr. Brickman,

17 that required us to produce anything.

18 MR. BRICKMAN: The doctor didn't

19 remember where his notes are?

20 THE WITNESS: Once the thing was

21 typed, I destroyed the handwritten notes.

22 Possibly now that you mention it, I should have

23 kept them.

24 BY MR. BRICKMAN:

25 Q. It's fine to do whatever you do

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 with them, Doctor. We just need to know, the
2 confusion of where the notes were.

3 MR. GARRARD: There is no
4 confusion.

5 THE WITNESS: Do you need those?

6 MR. BRICKMAN: I'm going to ask for
7 a copy of those, Doctor. Is there a problem?

8 MR. GARRARD: There may be with
9 me.

10 MR. BRICKMAN: I have got them in
11 my hands; I'm not going to give them back. Can we
12 have a copy for the record?

13 MR. GARRARD: I don't know. There
14 may be a problem.

15 MR. BRICKMAN: If you have a
16 problem, I will have the doctor read them into the
17 record.

18 MR. GARRARD: I haven't said
19 whether I have or I don't.

20 MR. BRICKMAN: I want to know if I
21 can attach them to the deposition.

22 MR. GARRARD: I don't know.

23 MR. BRICKMAN: Let's mark them then
24 as exhibits to the deposition.

25 THE WITNESS: Let's haul in Dr.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Gibbs.

2 MR. BRICKMAN: Let's mark this
3 first one as Exhibit A. It is captioned
4 medical-legal case, Texas series with the name
5 Billy Harris on it.

6 MR. GARRARD: I don't know if I can
7 agree to that. I don't want them marked.

8 MR. BRICKMAN: I am asking to have
9 them marked.

10 MR. GARRARD: We are not going to
11 mark them at this point. I don't know where that
12 puts Mr. Roberts in that situation. I am saying I
13 have not agreed to copy them. If I do, then you
14 can mark them. Why don't you get on with the
15 deposition?

16 MR. BRICKMAN: Because we have to
17 identify them.

18 MR. GARRARD: Can you identify them
19 by the name?

20 MR. BRICKMAN: The proper way is to
21 mark them as exhibits. I'm asking that they be
22 marked.

23 MR. GARRARD: I'm saying don't mark
24 them.

25 MR. BRICKMAN: The first one as

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 number 1. The second one is also captioned
2 medical-legal case, Texas series with the name
3 Horace McBryde on it. That will be number 2.

4 MR. GARRARD: If you wouldn't act
5 so self-righteous.

6 MR. BRICKMAN: I think I have every
7 right to be.

8 MR. GARRARD: You don't even have
9 any right to have them.

10 MR. BRICKMAN: If you want to play
11 games. The doctor is telling me he has these
12 notes. They are at home.

13 MR. GARRARD: You're trying to
14 imply things that don't even exist.

15 MR. BRICKMAN: You're playing
16 games, and the doctor is playing games.

17 MR. GARRARD: The doctor is not
18 playing games.

19 MR. BRICKMAN: Yes, he is. Number
20 3 is entitled medical-legal case, Texas series,
21 Alvin Warrick.

22 (Whereupon, the reports were marked
23 as Plaintiffs' Exhibits 1, 2, and 3 for
24 identification.)

25 BY MR. BRICKMAN:

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Doctor, what are you charging Mr.
2 Garrard an hour nowadays?

3 A. \$300.

4 Q. And what do you charge him when
5 you, for instance, fly to Texas for your air time?

6 A. I have only flown to Texas once,
7 and I didn't charge anything.

8 Q. And you didn't charge anything for
9 your flight?

10 A. Mr. Garrard paid for the flight,
11 yes.

12 Q. You didn't charge him anything for
13 the time you spent flying?

14 A. No.

15 Q. And how many times have you flown
16 to the United States to meet Mr. Garrard?

17 THE WITNESS: About five? Ask Mr.
18 Garrard.

19 BY MR. BRICKMAN:

20 Q. Your best recollection. Mr.
21 Garrard is going to play coy with me and not
22 answer.

23 MR. GARRARD: Mr. Garrard doesn't
24 have to answer, Mr. Brickman. Somehow we have
25 forgotten what the federal rules are all about.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 MR. BRICKMAN: The doctor was
2 looking to you for the answer.

3 THE WITNESS: Can I come off the
4 record for a moment?

5 BY MR. BRICKMAN:

6 Q. Yes, sir, of course, you can.

7 MR. BRICKMAN: Off the record.

8 (Whereupon, an off-the-record
9 conference transpired.)

10 BY MR. BRICKMAN:

11 Q. Back on. Have you read the
12 transcripts of any of your prior depositions,
13 Doctor?

14 A. Yes.

15 Q. Did you read any of them in
16 preparation for today's deposition?

17 A. Regretably, no.

18 Q. You have been to the United States
19 approximately five times to see Mr. Garrard. Have
20 any of those visits been in the last year?

21 A. No.

22 Q. And I believe you have told me
23 previously that in those five visits, you have
24 also met with Mr. Jim Crosby and Mr. Bruce Shaw
25 who represent other defendants in the litigation

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 in the United States?

2 A. That's correct.

3 Q. Have you met with them on any

4 occasions when Mr. Garrard was not present in the

5 United States?

6 A. In October, I went over to a

7 meeting. There was a meeting on cancer research

8 in New York by the General Motors Cancer Research

9 Association. And Mr. Bruce Shaw came up, and I

10 had a chat there.

11 Q. Did you talk about matters dealing

12 with asbestos at that time with Mr. Shaw?

13 A. We talked, yes, about the

14 identification of mesotheliomas in man and animals

15 not associated with exposure to asbestos.

16 Q. And this was in October of '89?

17 A. As far as I remember, yes.

18 Q. In the last year, have you met with

19 Mr. Garrard here in England other than last night

20 and today?

21 A. I don't think so.

22 Q. He won't help you.

23 MR. GARRARD: I think I may have

24 seen you once in the past year in England.

25 BY MR. BRICKMAN:

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. One time perhaps?

2 THE COURT REPORTER: Your

3 response?

4 THE WITNESS: I'm just trying to

5 think. I can't remember. Maybe. I don't

6 remember the occasion.

7 BY MR. BRICKMAN:

8 Q. I saw you approximately one year

9 ago almost to the day, within a couple of days.

10 A. I have seen Mr. Garrard since then.

11 Q. All right, you did see him. And at

12 that time, what did y'all discuss?

13 MR. GARRARD: I'm going to object

14 to that. I don't think you're entitled to know

15 what I discussed.

16 MR. BRICKMAN: Wait a minute. What

17 are you talking about? Why not? What are your

18 grounds for objecting.

19 MR. GARRARD: Privilege.

20 MR. BRICKMAN: What privilege?

21 MR. GARRARD: I don't know. I

22 don't know that you are entitled to that.

23 MR. BRICKMAN: I sure am.

24 MR. GARRARD: No, you are not.

25 MR. BRICKMAN: You make an

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN
1 objection.

2 MR. GARRARD: I object to that.

3 BY MR. BRICKMAN:

4 Q. What did you discuss, Doctor?

5 MR. BRICKMAN: I want to hear this
6 privilege later when you think of it.

7 THE WITNESS: The general problems
8 of mesotheliomas and asbestos and fibers.

9 BY MR. BRICKMAN:

10 Q. Okay. Did you discuss any specific
11 cases, to your recollection?

12 A. Not to my recollection. We did
13 discuss the case we were working on.

14 Q. That was the Greer case?

15 A. That was the Greer case.

16 Q. There were a lot of other things
17 that came up at the time?

18 A. More general talk on the position
19 of the different fibers and the interpretation of
20 various experiments. And once again the question
-21 of showing whether they were mesotheliomas in man
-22 and animals that occurred spontaneously that were
23 related to asbestos.

24 Q. When you meet with Mr. Garrard, I
25 assume you charge him your normal hourly fee,

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 \$300?

2 A. On the time we're working, yes.

3 Q. And do you know approximately how
4 much money you derived in 1989 from Mr. Garrard,
5 Mr. Shaw, Mr. Crosby?

6 A. No. The accounting year goes from
7 April to April. I have handed everything over to
8 my accountant.

9 Q. So you just handed the material
10 over to your accountant last month?

11 A. Yes.

12 Q. And you don't recollect how much it
13 was?

14 A. No.

15 Q. Do you know how much time you spent
16 on these Texas cases?

17 A. It's been about 12 hours.

18 Q. That's all on all three cases?

19 That includes your first visits to Pernarth?

20 A. No, it doesn't. Going on to about
21 20 hours.

22 Q. How many?

23 A. 20.

24 Q. And that includes your four visits
25 to Pernarth, your meeting with Mr. Garrard last

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 night, 20 hours?

2 A. No. Comes to about -- I hadn't
3 thought about that.

4 Q. Ball park. Just a ball park
5 estimate. About 20 to 24 hours?

6 A. 20 to 24 hours. No, it's more than
7 that. I haven't assessed it up.

8 Q. More than 24, though, now?

9 A. Yes.

10 Q. Where do you keep those records of
11 how much time you spend?

12 A. In my diary.

13 Q. When you say your diary, do you
14 keep a diary where you write notes of what
15 happened during the day, or do you mean in the
16 diary, your calendar?

17 A. Calendar.

18 Q. You don't keep a diary saying I met
19 Joe Blow today?

20 A. No.

21 Q. But in your calendar, you keep how
22 much time you spend on a case?

23 A. Usually, yes.

24 Q. Do you have your calendar with you
25 today?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. No.

2 Q. And you don't have it in the hotel,
3 either?

4 A. No.

5 Q. Okay. Do you still give reports to
6 Mr. Garrard, Mr. Shaw, and Mr. Crosby on a monthly
7 basis on the medical literature that you review?

8 A. If anything new or interesting
9 comes out.

10 Q. And you talk to them approximately
11 once a month still?

12 A. One of them once a month.

13 Q. And when you talk to one of them
14 once a month, who do you send your bill to for
15 that work?

16 A. Usually the chap I talk to.

17 Q. How do you know who to call for
18 your monthly report or whatever it is?

19 A. This is the person whose work I
20 think I have been doing.

21 Q. We're talking about two different
22 things. At the last deposition, Doctor, you
23 advised me that part of your work for Mr. Garrard,
24 Mr. Shaw and Mr. Crosby was to keep up with the
25 literature and advise them of current

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 developments?

2 A. Yes.

3 Q. Is that still the case?

4 A. Yes.

5 Q. And that you would give them
6 telephone reports approximately once a month?

7 A. On various parts.

8 Q. Yes, sir. And do you still do
9 that, sir?

10 A. Unfortunately, this year would be
11 more occasional than last year.

12 Q. How do you decide who to call,
13 whether to call Mr. Shaw, Mr. Garrard or
14 Mr. Crosby?

15 A. Mr. Crosby was especially
16 interested in the stuff we were doing in South
17 Africa, as was Mr. Shaw.

18 Q. How would you decide whether to
19 call Crosby or Shaw, or would you call them both?

20 A. No. One or the other.

21 Q. But you would alternate?

22 A. Well, it would just depend where we
23 were on the -- it's not really done on a regular
24 basis, as I explained last time.

25 Q. When is the last time you talked to

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Mr. Shaw and Mr. Crosby, then, by telephone?

2 A. I spoke to Mr. Shaw about two
3 months ago.

4 Q. And that's the most recent you have
5 talked to Bruce, Mr. Shaw, or Mr. Crosby?

6 A. Yeah.

7 Q. Approximately how much of your time
8 is spent reviewing materials or keeping abreast of
9 the literature for these companies such that Mr.
10 Garrard, Mr. Shaw and Mr. Crosby represent.

11 A. Well, a total comes to about
12 between 20 and 30 hours a week, really -- sorry, a
13 month.

14 Q. I'm sorry, what's that, a month?

15 A. A month.

16 Q. And this includes looking at cases
17 like these?

18 A. Yes.

19 Q. And the literature searches, you
20 spend about 20 or 30 hours a month for them?

21 A. Yeah.

22 Q. And about how much of your time is
23 spent doing your research at Pernarth? How often
24 do you go up there?

25 A. Officially, once a month.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. And is that for a day?

2 A. Usually a day or usually overnight.

3 Q. And the rest of your time in a
4 month, do you do any other particular work for
5 anybody else?

6 A. There is a thing called the
7 Harvard -- I'm trying to get the initials. There
8 is a group reporting to Congress, HEI. Something
9 the environmental institute.

10 Q. EPA?

11 A. No, something environmental
12 institute.

13 Q. NEIH?

14 A. Huh-uh. I'm trying to think.

15 Q. Go through all the letters of the
16 alphabet.

17 A. It's -- HEI.

18 Q. Is that a private institute?

19 A. It's an institute that's part of
20 the Harvard campus.

21 Q. You think it's part of Harvard
22 University?

23 A. The people there are associated
24 with it.

25 Q. Okay.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. I'm afraid I can't think. It's the
2 Health Environmental Institute.

3 Q. Health Environmental Institute and
4 it's associated somehow with Harvard University?

5 A. Yes. They're going through the
6 thing with EPA and advising on a literature search
7 which I wasn't involved in.

8 Q. And who else are you dealing with
9 at HEI, or who are you dealing with there, sir?

10 A. I don't remember the chap's name.
11 Dr. Powers. A chap called Archibald Cox is the
12 chairman.

13 Q. Archibald Cox? He is a lawyer?

14 A. He is, yes.

15 Q. Are there any other doctors on this
16 committee?

17 A. A chap called Powers. A chap
18 called -- can't remember the names. I've been
19 associated with Powers and Archibald. And there
20 was one -- a chap called Preston is actually the
21 chairman of the committee.

22 Q. Okay.

23 A. Environmental chap.

24 Q. Is it dealing with asbestos?

25 A. As far as I know, it's a literature

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 research on asbestos in buildings.

2 Q. Have y'all issued any report?

3 A. No.

4 Q. Have you issued any written report
5 to them?

6 A. No, I have just become involved
7 with it.

8 Q. All right. Are you doing any other
9 work during the month other than with the HEI and
10 the 20 to 30 hours a week --

11 MR. GARRARD: Excuse me. He said a
12 month.

13 MR. BRICKMAN: A month. What did I
14 say?

15 MR. GARRARD: Week.

16 BY MR. BRICKMAN:

17 Q. -- 20 or 30 hours a month with Mr.
18 Garrard's group and the once a month visits to
19 Pernarth?

20 A. Pernarth. We are doing research
21 into the fact when asbestos was first used in the
22 States. That's part of the thing we're doing for
23 Mr. Shaw and Mr. Garrard.

24 Q. What is that now you are doing for
25 them?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. Getting the history of the first
2 use of blue asbestos in the States.

3 Q. Who is doing that, you yourself or
4 somebody else doing it with you?

5 A. A chap called Ilgrin.

6 Q. Ilgrin?

7 A. Ilgrin.

8 Q. How do you spell that, just the
9 best you can?

10 A. E [sic] L G R I N.

11 Q. Mr. Garrard, Mr. Shaw and
12 Mr. Crosby have asked the two of y'all to find out
13 when Crocidolite was first being used in the
14 United States?

15 A. We are interested in finding it
16 out.

17 Q. Are they paying you the \$300 an
18 hour for your work trying to find that out, too?

19 A. No.

20 Q. What are they paying you for that?

21 A. We are putting it forward. Nothing
22 has appeared yet.

23 Q. Let me make sure we have a language
24 understanding. You are going to give them a
25 report on that issue?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. If they want it, yes.

2 Q. Have you asked them yet?

3 A. We have sent a copy of the
4 preliminary work.

5 Q. Okay. Who did you send it to?

6 A. Mr. Garrard.

7 Q. And when did you send it to him?

8 A. A couple of months ago.

9 Q. And did you send him a bill with
10 it?

11 A. No.

12 Q. Are you going to?

13 A. If he wants the work to continue.

14 Q. Did you send him any bill for the
15 work you already did?

16 A. No.

17 Q. Okay. Do you have an opinion as to
18 when Crocidolite was first used in the United
19 States?

20 A. It seems to me 1907, which we are
21 still working on.

22 Q. 1907 you think?

23 A. Yes.

24 Q. And you are waiting for Mr. Garrard
25 to tell you whether he wants you to proceed

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 further?

2 A. To a certain extent, yes.

3 Q. Have you done any study to see when
4 Amosite was first used in the United States?

5 A. Well, that comes into it partly. I
6 would be much more interested obviously in
7 Crocidolite.

8 Q. Do you know which type of asbestos
9 Mr. Garrard's client used in this case, in these
10 cases?

11 A. No.

12 Q. You don't?

13 A. No. In these cases, I have purely
14 been asked to look at the tumors.

15 Q. Doing anything else, Doctor, with
16 your time other than those items you have just
17 mentioned to me, any other work?

18 A. No.

19 Q. Okay. Not doing any other work for
20 any other companies or any other lawyers?

-21 A. No, no other lawyers. I have been
-22 doing a certain amount of advising to the
23 pathologists for TIMA.

24 Q. What is TIMA?

25 A. I knew that you were going to ask

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 that.

2 Q. T I M A, Thermal Insulation
3 Manufacturers' Association?

4 A. Yes.

5 Q. And who do you deal with on TIMA?

6 A. Mainly with doctor J. McCallum. We
7 are going through the animal experiments again.

8 Q. And the animal experiments you are
9 going through, are those the ones with asbestos or
10 fiberglass?

11 A. Neither. With refractory fibers.

12 Q. Factory fibers?

13 A. Refractory.

14 MR. GARRARD: Refractory.

15 BY MR. BRICKMAN:

16 Q. Oh, okay. Any other work you're
17 doing other than what you have now gone through?

18 A. No, that's a fair amount.

19 Q. When you do your work for TIMA, do
20 you charge them \$300 an hour, also?

-21 A. No, I am paid a per diem.

-22 Q. What do you get?

23 A. \$2,000 a day, depending on the
24 actual work we do.

25 Q. Have you met with any attorneys

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN
1 representing asbestos companies or asbestos
2 manufacturing associations other than Mr. Garrard,
3 Mr. Shaw, and Mr. Crosby?

4 MR. GARRARD: During what time?

5 BY MR. BRICKMAN:

6 Q. In the last year, let's say?

7 A. No. I met someone in
8 Philadelphia. It was purely social.

9 Q. Who was that?

10 A. I'm trying to think. I can't
11 remember this chap's name. It was a very brief
12 meeting. I gave a talk, sort of general talk.

13 Q. You gave a talk in Philadelphia?

14 A. In Philadelphia.

15 Q. What did you speak on, sir?

16 A. It was at the -- what is the
17 women's college in Philadelphia?

18 Q. I don't know. What did you speak
19 about? My father-in-law normally says five
20 minutes.

21 A. The background of the asbestos
22 story and how it developed. I was asked to speak
23 at the university there. This chap happened to be
24 there.

25 Q. Oh.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. It's a women's university.

2 Q. Have you been in the United States
3 in the last year for any other visits other than
4 the Philadelphia visit and the New York visit?

5 A. The Philadelphia and New York
6 visits were the same visit.

7 Q. Same visit. Have you been to the
8 United States any other times?

9 MR. GARRARD: In the last year?

10 BY MR. BRICKMAN:

11 Q. In the last year, yes, sir.

12 A. Not as far as I can recollect.

13 Q. Have you done any work in the last
14 year for any asbestos company?

15 A. None at all.

16 Q. None at all. Do you correspond
17 regularly or irregularly with any doctor that
18 works for an asbestos company?

19 A. No.

20 Q. Have you ever done work directly
-21 and not through any attorney for the Owens-Corning
-22 Fiberglass Company?

23 A. No.

24 Q. Sir?

25 A. I think that's correct. I don't

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 think. They may be on the refractory fiber, TIMA,
2 may be part of the work for TIMA.

3 Q. Other than the written reports you
4 have issued in these cases and the report you gave
5 to Mr. Garrard on Crocidolite in the United
6 States, have you done any other written reports
7 that dealt with asbestos this year, in the past
8 year?

9 A. No.

10 Q. Other than the reports you have
11 provided me or Mr. Garrard provided me this
12 morning and the other draft reports that you have
13 in front of you today, have you done any other
14 draft reports on these three cases?

15 A. No. This is all I have done on
16 them.

17 Q. You did not do any other draft
18 copies that were changed in some fashion for
19 whatever reason?

20 MR. GARRARD: I don't know where
21 you are getting draft from. I don't think this is
22 a draft.

23 MR. BRICKMAN: The only reason I
24 say it's a draft, Mr. Garrard, this one has
25 something stamped on it or extra.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 THE WITNESS: That's just the
2 letterhead which didn't come out. It didn't come
3 out on the xerox copy.

4 MR. GARRARD: I think it's just one
5 report, Mr. Brickman, that was copied. When it
6 was copied, I think this top stuff just didn't
7 come out. I don't believe that these are drafts
8 versus final reports.

9 THE WITNESS: They were all done at
10 the same time.

11 MR. GARRARD: It's just the fact --

12 MR. BRICKMAN: They may be draft
13 because one is signed, and one is not.

14 MR. GARRARD: I think the problem
15 is as they were xeroxed --

16 THE WITNESS: Too long to get the
17 top in.

18 MR. BRICKMAN: One is signed, and
19 one is not.

20 BY MR. BRICKMAN:

21 Q. Be that as it may, were there any
22 other drafts made?

23 A. A handwritten draft.

24 Q. Where is that handwritten draft?

25 A. That was destroyed once I got hold

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 of this.

2 Q. Have you ever met, sir, with any
3 attorney such as Mr. Garrard, Mr. Shaw, and
4 Mr. Crosby when Dr. Gibbs was also present?

5 MR. GARRARD: I think I may have
6 had a drink in the bar on one occasion when both
7 were there, but I could be wrong. But I don't
8 think we ever had a meeting.

9 THE WITNESS: We never did have a
10 meeting, but we did have a drink together on one
11 or two occasions.

12 BY MR. BRICKMAN:

13 Q. Other than that, y'all haven't had
14 a meeting when you sat down and discussed cases or
15 theories of mesothelioma or anything like that?

16 A. No.

17 Q. And Dr. Gibbs apparently replaced
18 you at Pernarth?

19 A. No. Not exactly, because he is
20 working for the National Health Service mainly,
-21 and I was for the Medical Research Council. But
-22 he has taken over most of the work.

23 Q. You mentioned earlier that you were
24 doing some work on Amosite and some tumors out of
25 South Africa.

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. Yes.

2 Q. Have you visited South Africa in
3 the last year or two?

4 A. Yes, to see what I could find.

5 Q. Did you go with Dr. Gibbs or by
6 yourself?

7 A. By myself.

8 Q. And have you been there on more
9 than one occasion in the last year?

10 A. No. I went to see my family but
11 also to inquire about the Amosite situation. The
12 Amosite and the Transvaal Crocidolite.

13 Q. And was your trip paid for by any
14 company or Mr. Garrard's group or anybody like
15 that?

16 A. There was a contribution from
17 Mr. Shaw.

18 Q. Mr. Shaw paid for it?

19 A. Yes.

20 Q. Has Mr. Shaw paid for any other
-21 trips for you to do research or whatever you did
-22 or anything similar like that?

23 A. Not recently, no.

24 Q. He has in the past?

25 A. Let's see. I don't think he has.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 I couldn't guarantee this.

2 Q. You have not gone to do work
3 anywhere else where he has paid for the trip in
4 the last year, to your recollection?

5 A. No, not in the last year.

6 Q. And you just don't recollect prior
7 to that time whether he may have paid for some
8 trips somewhere?

9 A. No.

10 Q. No, he didn't or, no, you just
11 don't recollect?

12 A. He came over here, and we went up
13 to Scotland. He paid for that.

14 Q. Was there something in particular
15 in Scotland that y'all were doing work on, or was
16 this just a social visit to Scotland?

17 A. No, this was to discuss work with
18 the occupational medical group in Edinburgh.

19 Q. Which group is the occupational
20 medical group in Edinburgh? There is a particular
-21 group of doctors?

-22 A. Anthony Seaton is in charge. It's
23 with the IRA, occupational medicine set up --
24 mainly set up as a coal research unit.

25 Q. Mr. Shaw wasn't paying for that

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 research group to do anything?

2 A. No.

3 Q. He was paying for you to be there
4 to meet with them?

5 A. Yeah.

6 Q. Okay. Have you written or drafted
7 any papers in the last year, Doctor, other than
8 the reports you already mentioned to me, that you
9 hope to have published?

10 A. I have done the one thing with Dr.
11 Ilgrin on the spontaneous tumors.

12 Q. Has that been published?

13 A. No, it is being submitted for
14 publication.

15 Q. Who have you submitted it to, sir?

16 A. I don't remember the name of the
17 journal. Dr. Ilgrin found it. A journal in the
18 States. I can't remember the name of it.

19 Q. Do you know if he is dealing with
20 any particular doctor over there or anybody --

21 A. No.

22 Q. -- who he is trying to get it
23 published through?

24 A. No, I don't think so. He didn't
25 mention the names.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. In your work on spontaneous tumors,
2 have you found that a certain percentage of
3 mesotheliomas in your opinion are spontaneous?

4 A. Yes.

5 Q. What percentage is that?

6 A. It comes to about 20 percent.

7 Q. 20 percent. And in those 20
8 percent of spontaneous tumors, did you also find
9 asbestos fibers in the lungs?

10 A. This was mainly a literature
11 search.

12 Q. Oh, I see. You did not look at the
13 tissue in those cases?

14 A. No, just looking at the tissue on
15 this other group of cases.

16 Q. Was that research funded in any way
17 by anybody?

18 A. Not as far as I know.

19 Q. You have not received any money for
20 any time spent on that by Mr. Shaw, Garrard or
21 Mr. Crosby?

22 A. No. No.

23 Q. It has not been accepted yet for
24 publication, to your knowledge?

25 A. No. A few amendments. A few

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 changes.

2 Q. You submitted it; it came back to
3 you from whoever looked at it and reviewed it, and
4 they said if you make these changes, we will
5 publish it?

6 A. Yes. Dr. Ilgrin was dealing with
7 that side.

8 Q. Any other papers you have published
9 or hope to publish at the present time that you
10 have written in the last year?

11 A. No. I'm writing an introduction to
12 a Dutch book on asbestos and tumors.

13 Q. Who is the editor of that book,
14 sir?

15 A. Dutch Cancer Association.

16 Q. Are you dealing with anybody in
17 particular?

18 A. I can't -- I didn't take serious
19 notice -- I've got to do it by the end of the
20 year. I can't remember the exact name of the
-21 fellow I've got to write to.

-22 Q. Other than going to Philadelphia to
23 give that lecture --

24 A. B R Y N M A W R, Bryn Mawr.

25 Q. Other than that lecture, sir, have

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 you given any lectures in the last year?

2 A. I have given lectures to a course
3 in Pernarth.

4 Q. What's that course, sir?

5 A. This was the course on DCTD.

6 Q. ECTD?

7 A. No. Just a second. DCTD,
8 diseases -- tuberculosis diseases of the chest. I
9 gave them about three lectures a year. I gave a
10 lecture in South Africa on the general situation,
11 and I think that's all.

12 Q. Okay. The lecture in South Africa,
13 did it deal at all with asbestos?

14 A. It was all about asbestos.

15 Q. Is there a typed copy of it or a
16 published copy of it?

17 A. No. I gave it just on the slides I
18 used.

19 Q. On the slides?

20 A. Pictures of various things.

21 Q. For a second I had thought you said
22 on the sly?

23 A. No, not that.

24 Q. Doctor, are you on any medication
25 today?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. Today, no.

2 Q. Are you normally on medication?

3 A. Yes. I'm on a drug for my heart.

4 Q. Your heart?

5 A. Yes.

6 Q. Is there a reason you're not on it
7 today?

8 A. Yes, sir. The only reason I am
9 not, I didn't take any this morning. I should
10 have.

11 Q. Should have. Do you have it here?
12 I don't want to have any problems. Do you have it
13 here? Will you take it at lunchtime?

14 A. Fine, I'll take it at lunchtime.

15 Q. That's the only medication you're
16 on?

17 A. Yes.

18 Q. Doctor, have you been hospitalized
19 in the last year?

20 A. Only for ten hours, to have a
21 conversion done on the beating of my heart.

22 Q. Is your heart all right now, as far
23 as you know?

24 A. As far as I know, yes. Much better
25 than I was this time last year, anyway.

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 Q. Were you having a problem last year
2 with your heart?

3 A. Yes.

4 Q. Okay. And that is being treated
5 and under medication and no problems with it today
6 as long as you are on the medication?

7 A. Yeah.

8 Q. Okay. And that's the only thing
9 you have been hospitalized for in the last year?

10 A. Yes.

11 Q. Okay. Have you been under the care
12 of any doctor for any other problem in the last
13 year other than your heart?

14 A. No.

15 Q. Other than for your heart, have you
16 seen any other doctor in the last year for any
17 reason?

18 A. No, I don't think so.

19 Q. No?

20 A. No.

-21 Q. Other than the medication you're on
-22 for your heart, have you been on any other
23 medication in the last year?

24 A. I can't think of any, no.

25 Q. I'm sorry, the answer is no?

A. WILLIAM ROBERTS, JR., & ASSOCIATES

DR. JOHN CHRISTOPHER WAGNER - DIRECT BY MR. BRICKMAN

1 A. The answer is no.

2 Q. No other medicine for the last
3 year?

4 A. Well, no. Last year when I was
5 sort of working around with you, and the heart
6 disease, I got a bit depressed. I was on some
7 drugs then.

8 Q. Tell me what drugs you were on and
9 whether you are on any of those today.

10 A. No.

11 Q. The answer is no, you are not on
12 any of them today?

13 A. Not today.

14 MR. GARRARD: Doctor, it's up to
15 you as to whether you want to talk further about
16 medications you may be on or not. I'm not sure
17 that that is anything that is of any business of
18 Mr. Brickman or relevant to anything in this
19 case.

20 I'm not telling you don't tell him,
21 but I'm telling you I'm not so sure that is
22 anything other than a personal matter of yours,
23 and I don't think that you have to tell him that,
24 but that's up to you.

25 BY MR. BRICKMAN: