

IN THE COURT OF COMMON PLEAS
PHILADELPHIA COUNTY, PENNSYLVANIA
CIVIL DIVISION

- - -

EUGENE SALMIERI, et al. : APRIL TERM, 2006

:

vs. :

:

CRC INDUSTRIES, INC., :

et al. : NO. 02439

- - -

SEPTEMBER 9, 2008

- - -

ORAL DEPOSITION OF ROBERT R.

MONSON, M.D., taken pursuant to notice, was held
at the Hyatt Harborside Hotel, 101 Harborside
Drive, Boston, MA 02128, commencing at 9:38 a.m.,
before Kimberly S. Gordon, a Registered
Professional Reporter, Certified Court Reporter
and Notary Public.

* * *

VERITEXT NATIONAL COURT REPORTING COMPANY

KNIPES COHEN

1801 Market Street - Suite 1800

Philadelphia, PA 19103

1 APPEARANCES:

2 LOCKS LAW FIRM LLC

3 BY: ANDREW J. DuPONT, ESQUIRE

The Curtis Center, Suite 720 East

4 601 Walnut Street

Philadelphia, Pennsylvania 19106

5 215.893.0100

adupont@lockslawpa.com

6 Representing the Plaintiffs

7 WOOLF, McCLANE, BRIGHT, ALLEN

8 & CARPENTER, PLLC

BY: LOUIS C. WOOLF, ESQUIRE

9 900 Riverview Tower

900 South Gay Street

10 Knoxville, Tennessee 37902

865.215.1000

11 lwoolf@wmbac.com

Representing the Defendants,

12 Sunoco, Texaco

13 ABRAMS, SCOTT & BICKLEY, LLP

14 BY: ROBERT P. SCOTT, JR., ESQUIRE

Bank of America Center

15 700 Louisiana, Suite 4000

Houston, Texas 77002

16 713.228.6601

rscott@asbtexas.com

17 Representing the Defendant, ExxonMobil

18
19
20 - - -
21
22
23
24

- - -
I N D E X
- - -

Testimony of: Robert R. Monson, M.D.

By Mr. DuPont.....5

- - -
E X H I B I T S
- - -

EXHIBIT NUMBER	DESCRIPTION	PAGE MARKED
Exhibit-A	Evaluation of Papers by Steinmaus and Smith	7
Exhibit-B	What is Non-Hodgkin Lymphoma?	23
Exhibit-C	11/21/07 Memorandum	24
Exhibit-D	1/14/08 e-mail	29
Exhibit-E	Chemical Exposures and Risk of Chronic Lymphocytic Leukaemia	32
Exhibit-F	Report with attached studies relied upon	34
Exhibit-G	5/29/08 e-mail with attachments	93

3 - - -

5 Direction to Witness Not to Answer

7 None

8

9

10 Request for Production of Documents

11	Page	Line
----	------	------

12 16 6

13 25 15

14 93 11

15 93 19

16 94 11

17

18

19 Stipulations

20 Page Line

21 5 1

22

23

24

1 (It is hereby stipulated and
2 agreed by and between counsel for the
3 respective parties that sealing, filing
4 and certification are waived and that all
5 objections, except as to the form of the
6 question, be reserved until the time of
7 trial.)

8 - - -

9 ROBERT R. MONSON, M.D., after
10 having been first duly sworn, was
11 examined and testified as follows:

12 - - -

13 EXAMINATION

14 - - -

15 BY MR. DuPONT:

16 Q. Good morning, Dr. Monson. Did
17 you bring a copy of your file with you here
18 today?

19 A. Yes.

20 Q. I see there's a box over on the
21 side of the room. Is that it or --

22 A. No, it's here.

23 Q. Is there any organization to your
24 file here what you've just handed me?

1 A. The beginning stuff is things,
2 plaintiff witnesses and a couple of odds-and-ends
3 of papers and some e-mails between Mr. Woolf and
4 me. And then there's my report in this case, the
5 papers that upon which the report relies. And
6 then there's a later report, I'm sorry, another
7 report on NHL which I added because Mr. Woolf
8 asked me to because of the recent deposition of
9 one of your witnesses.

10 Q. Can you identify for me what the
11 recent report on NHL is that you're referring to?

12 A. It's at the very bottom. It was
13 not done for this case.

14 Q. And that clipped document, the
15 first page is entitled Evaluation of Paper by
16 Steinmaus C et al. Meta-analysis of Benzene
17 Exposure and Non-Hodgkin Lymphoma, --

18 A. Yes.

19 Q. -- colon, Biases Could Mask an
20 Important Association. Can you tell me what this
21 document is?

22 A. It's a review of the paper that
23 was written by Steinmaus, et al., as well as the
24 paper by Martin Smith that preceded it.

1 Q. And who performed the review of
2 the paper?

3 A. I did.

4 Q. When did you perform this review
5 of the Steinmaus and Smith papers?

6 A. The Smith one I did probably a
7 year ago whenever it came -- it came out in 2007.
8 The Steinmaus one I did earlier this year.

9 Q. Why was it that you performed a
10 review of the Steinmaus paper earlier this year?

11 A. Mr. Woolf asked me to.

12 Q. So your review of the Steinmaus
13 paper, was that in conjunction with the benzene
14 litigation?

15 A. It was in junction with the
16 subject NHL and benzene. It wasn't related to
17 any case or anything.

18 MR. DuPONT: Let's go ahead and
19 mark that Evaluation of Paper by
20 Steinmaus and mark that as Exhibit
21 Monson-A.

22 - - -

23 (Whereupon the document was marked, for
24 identification purposes, as Monson-A.)

1 - - -

2 THE WITNESS: The usual question
3 that comes up, are you going to keep
4 these exhibits and what do I get back?
5 How does that work?

6 BY MR. DuPONT:

7 Q. The exhibits will go with the
8 court reporter. The court reporter will make
9 copies. The originals are maintained by our
10 office.

11 A. The papers as well? Scientific
12 papers?

13 Q. We can discuss that.

14 A. I just want to get it back. I
15 don't care how it's done but I don't want to have
16 to go to the library and get all the papers
17 again.

18 Q. I understand. Can you explain to
19 me what it is you did specifically with respect
20 to the Steinmaus paper, how you evaluated it,
21 what your methodology was?

22 A. I read it. I did the Smith one
23 first, and I read it and evaluated it and looked
24 at the original upon which I relied. And I did a

1 similar thing with the Steinmaus paper and did
2 some similar corrections to the data or
3 re-analysis of the data that Steinmaus did and
4 then I summarized what I did and compared it to
5 what Steinmaus did.

6 Q. I am going to hand Exhibit
7 Monson-A back to you. Were you asked to perform
8 this review of the Steinmaus and the Smith papers
9 on behalf of any defendant to the benzene
10 litigation?

11 A. No.

12 Q. Any petroleum companies?

13 A. No.

14 Q. What were your conclusions with
15 respect to the Steinmaus paper?

16 A. My conclusion states, quote, the
17 weight of the evidence from a review of the
18 epidemiologic literature comprising over 40
19 published papers shows that there's no basis for
20 suggesting that benzene is associated with the
21 occurrence of non-Hodgkin lymphoma. I call it
22 non-Hodgkin without the S.

23 Q. So you went through and looked at
24 the same studies that the Steinmaus article

1 looked at?

2 A. At the what study?

3 Q. That the Steinmaus article looked

4 at.

5 A. Did you say same?

6 Q. Is that correct?

7 A. Yes.

8 Q. And you provided a separate

9 analysis than Steinmaus, et al. did?

10 A. Yes. He did an extensive
11 statistical analysis. I didn't repeat that. But
12 in terms of summarizing the data, looking at the
13 papers, taking numbers from the papers that
14 relate any association between benzene and NHL.

15 Q. Did you analyze any of the data
16 in the studies cited by the Steinmaus paper for
17 Healthy Worker Effect or any other influence that
18 the methodologies in those studies could have had
19 on their findings of risk?

20 A. Yes.

21 Q. And what did you do?

22 A. The first issue was which data
23 Steinmaus abstracted from the papers, and I
24 looked at that and sometimes I agreed with what

1 he did and other times I disagreed. He adjusted
2 relative risks based on all cause MR as well as
3 all cancer SMR, and I did the same thing for all
4 cancer SMR, if that's clear. I did the same
5 adjustment that he did or methodologically the
6 same. And then I compared his paper to Smith's
7 paper in terms of overlap or non-overlap as well
8 as papers I had looked at that either of them may
9 not have.

10 Q. Now, you come to a different
11 conclusion on the causal relationship between NHL
12 and benzene exposure based on the review of the
13 same literature than does Steinmaus?

14 A. I couldn't state what Steinmaus'
15 conclusion was with respect to causality.

16 Q. You come to a different
17 conclusion with respect to the risk of
18 contracting NHL from benzene exposure than does
19 Steinmaus?

20 A. I'd have to look at Steinmaus'
21 conclusions to make sure I can answer that
22 correctly.

23 Q. Why don't you take a look at
24 that?

1 A. I don't have his paper here. It
2 would be somewhere here.

3 I may not have Steinmaus' paper
4 with me. It may just be an oversight. I'm sure
5 one of you has it. I don't see it, I'm sorry.
6 Do you have it?

7 Q. We'll get to that in a moment.

8 A. Okay. I just added this report
9 at the last minute, and I obviously just took my
10 report, my evaluation.

11 Q. Is it your understanding that CLL
12 is a form of non-Hodgkin lymphoma?

13 A. In recent years, that's the way
14 the classification has moved, yes.

15 Q. Do you have with you a list of
16 your previous testimonies, cases in which you've
17 given depositions or --

18 A. No.

19 Q. Do you have that information at
20 your office?

21 A. Yes.

22 Q. Doctor, because we have a court
23 reporter here today, I notice that you are
24 predicting what my question is going to be and at

1 some points beginning the answer before I finish
2 my response. It makes her job a little difficult
3 if we're speaking over each other. So, if you
4 could allow me to finish my question before you
5 begin your response, I would appreciate that. In
6 turn, I will try to allow you to finish your
7 response before beginning my next question. Is
8 that okay?

9 A. Yes.

10 Q. If at any point in time I ask you
11 a question that you do not understand, would you
12 please let me know and I'll do my best to
13 rephrase or re-ask the question?

14 A. Yes.

15 Q. Doctor, who have you been hired
16 to testify on behalf of in this case?

17 A. I've been hired by Mr. Woolf.
18 And frankly, until today, I wasn't sure on whose
19 behalf he was, he and Mr. Scott are representing.
20 I asked them this morning and I've already
21 forgotten, so Mr. Woolf can tell you what it is.

22 Q. Are you testifying on behalf of
23 Sunoco in this case?

24 MR. WOOLF: Do you want me to

1 answer for him?

2 THE WITNESS: I don't know.

3 MR. WOOLF: If you really don't
4 know who I represent, I'll be more than
5 glad to restate it for the record. But
6 the witness is here on behalf of Exxon,
7 Sunoco and I believe Chevron.

8 THE WITNESS: This hasn't been
9 discussed.

10 BY MR. DuPONT:

11 Q. How many times have you given a
12 deposition in a case where it's been alleged that
13 somebody sustained a disease or an injury from
14 exposure to benzene?

15 A. I would estimate 15 to 20.

16 Q. And on those occasions, have you
17 ever testified on behalf of an individual who had
18 alleged that they contracted an illness?

19 A. No.

20 Q. Were all your depositions given
21 on behalf of companies that either manufactured
22 or sold products that contained benzene?

23 A. When you say all, do you mean all
24 benzene or do you mean --

1 Q. Sure. Of those 15 to 20
2 depositions where you've testified in benzene
3 litigation, we'll talk about those cases, --

4 A. Yes.

5 Q. -- were you always testifying on
6 behalf of defendants to the benzene litigation?

7 A. You asked me that question
8 before, didn't you?

9 Q. I'm asking it a different way. I
10 want to make sure I understand.

11 A. I don't understand the
12 difference.

13 Q. My question is: In all of your
14 15 to 20 cases in which you've given depositions,
15 they've been on behalf of companies that made or
16 sold benzene-containing products?

17 A. I would say products alleged to
18 contain benzene.

19 Q. Are you able to recall the names
20 of any of those 15 to 20 cases, the names of the
21 plaintiffs?

22 A. No, I don't remember that.

23 Q. Do you have a list at your office
24 or somewhere compiled all those cases that you've

1 given testimony in?

2 A. Yes.

3 Q. You did not bring that with you
4 today?

5 A. No.

6 Q. Could you provide that to Mr.
7 Woolf so he can give it to us, please?

8 A. Yes.

9 Q. Thank you. Dr. Monson, what is
10 your rate for testifying here today?

11 A. 8,000 a day.

12 Q. And what is your rate for, either
13 on an hourly basis or however you work it, for
14 preparing your report in this case and reviewing
15 the file?

16 A. 600 an hour. The daily rate is
17 prorated, of course. It's not a flat rate.

18 Q. Have you testified in litigation
19 other than allegations of benzene exposure?

20 A. Yes.

21 Q. What other areas of litigation
22 have you testified in?

23 A. Are you talking now about trial
24 testimony or depositions?

1 Q. Let me be a little broader. Have
2 you been hired by any companies to testify or
3 prepare reports on their behalf in other areas of
4 litigation besides benzene?

5 A. Yes.

6 Q. Which other areas of litigation?

7 A. I hesitate only because if you
8 say prepare a report and there's no deposition I
9 consider that to be confidential. But if you're
10 talking depositions or trials, then I can just go
11 ahead and tell you what I remember.

12 Q. Tell me what you remember.

13 A. I remember welding; Bendectin,
14 which is a drug taken by women during pregnancy;
15 various radiation cases. There's been several
16 environmental cases; several have been for the
17 plaintiff, on behalf of the plaintiff. There's
18 undoubtedly others but that's what I remember.

19 Q. Have you ever testified on behalf
20 of a plaintiff in a welding case?

21 A. No.

22 Q. The second area was Bendectin
23 that you identified. Did you ever testify on
24 behalf of a plaintiff in Bendectin litigation?

1 A. No.

2 Q. How about radiation, have you
3 ever testified on behalf of a plaintiff in a
4 radiation exposure case?

5 A. Yes.

6 Q. Did you testify on behalf of
7 defendants as well?

8 A. In other cases, yes.

9 Q. The fourth area was environmental
10 litigation. Can you explain for me what you mean
11 by environmental?

12 A. Yes, one was I testified on
13 behalf of the plaintiff for a sick building issue
14 in a courthouse in Chicago where the ventilation
15 system was improperly installed and the air was
16 bad. There was a situation in Alabama or Georgia
17 where creosotes soaked into the ground and was
18 found to be in a ditch surrounding a church. I
19 testified for the defense in terms of the
20 allegation was multiple diseases were caused by
21 this tarring-like substance.

22 Q. Any others that you can remember?

23 A. Not right now, no. There may be
24 others but I don't remember them.

1 Q. When did you first begin to
2 testify in litigation?

3 A. The first one was in fact another
4 one it was 1989 thereabouts -- or was it 1979?
5 Sorry, I got to think about my timing here.
6 Anyway, it was emergency temporary ban of 245 T,
7 which is a pesticide made by Dow, and Dow was
8 suing the government. They had the emergency
9 temporary ban overturned, and I testified on
10 behalf of EPA saying that I agreed that there was
11 epidemiologic evidence that warranted concern.
12 I'm not sure about whether it was '79 or '89, but
13 let's say it was 1980.

14 Q. And when did you first begin
15 testifying in benzene exposure cases?

16 A. Maybe 15 years ago, 15 or
17 20 years ago. I can't be precise.

18 Q. How much income do you derive in
19 a year, start with 2007, the last full year, from
20 testifying in litigation?

21 A. That would include preparing
22 reports?

23 Q. Yes.

24 A. Not testifying?

1 Q. Correct.

2 A. It varies from year to year. I
3 would say in the last decade the lowest was
4 30,000, the highest was 150,000. The mean would
5 probably be around 80,000.

6 Q. And do I understand you've
7 testified on behalf of Mr. Woolf's firm before?

8 A. Yes.

9 Q. Approximately how many times?

10 A. Again, depositions and trials,
11 there's been two trials, perhaps 10 or 15
12 depositions.

13 Q. And have all of the trials and
14 depositions that you testified in on behalf of
15 Mr. Woolf's firm, have all of those involved
16 benzene exposure, allegations of benzene
17 exposure?

18 A. To the best of my recollection,
19 yes.

20 Q. And would I be correct in that
21 all those cases you never came to the conclusion
22 that the plaintiff had contracted an illness as a
23 result of exposure to benzene?

24 A. My testimony related to the

1 epidemiologic literature rather than to the
2 specific individual.

3 Q. And in any of those cases on
4 which you've testified on behalf of Mr. Woolf's
5 firm, did you ever come to the conclusion that
6 the epidemiological literature supported a causal
7 connection between exposure to benzene and the
8 injury that the plaintiff alleged they sustained
9 as a result of benzene exposure?

10 A. No.

11 Q. Can you tell me how much you have
12 charged for your work in this case, the Salmieri
13 case to date?

14 A. I received one payment I think
15 around 6,000. And a second bill is in the mail,
16 again, for about 6,000.

17 Q. And what did the second bill
18 include, up until what date, what portion of your
19 work?

20 A. Probably through July 31st.

21 Q. Have any of your opinions ever
22 been excluded or have you ever been prevented
23 from testifying in a case?

24 A. No.

1 Q. Let me take another look at your
2 file here. On the top here, you have an article
3 or actually a printout from the Internet called
4 "What is Non-Hodgkin Lymphoma?". Can you tell me
5 what that document is and why you have it in your
6 file?

7 A. The main reason I looked it up
8 was to determine what percent of NHL is either
9 CLL or small lymphocytic leukemia, and the
10 statement in this document is these related
11 diseases account for about one out of four
12 lymphomas.

13 Q. One out of four lymphomas or one
14 out of four non-Hodgkin lymphomas?

15 A. It says lymphomas.

16 Q. Is it your understanding that CLL
17 and SLL are forms of B cell non-Hodgkin lymphoma?

18 A. Yes.

19 Q. Have you ever sought to determine
20 what percentage of non-Hodgkin lymphomas CLLs and
21 SLLs make up?

22 A. That's what I think the
23 one-in-four refers to. My guess is that this one
24 out of four lymphomas really means one out of

1 four NHLs. I think the ACS probably didn't write
2 this as clear as they might have.

3 Q. When you say ACS, you're
4 referring to the American Cancer Society?

5 A. Yes.

6 Q. Have you looked beyond the
7 document that you have in front of you from the
8 American Cancer Society?

9 A. I had it and I was trying to find
10 it, but it may have been in one of these papers
11 that I reviewed.

12 MR. DuPONT: Let me mark that as
13 Monson-B, please.

14 - - -

15 (Whereupon the document was marked, for
16 identification purposes, as Monson-B.)

17 - - -

18 BY MR. DuPONT:

19 Q. Then the next thing you have in
20 your file are some communications with Mr. Woolf
21 concerning what, this case?

22 A. Right. This is really a cover
23 sheet for what files, just an e-mail saying, not
24 an e-mail but a letter that says enclosed are --

1 Q. I see. We'll put that aside.

2 You're referring to the May 29, 2008 e-mail from
3 Ms. JoAnn --

4 A. It's a bit out of order. This
5 goes first. These are two e-mails from, I'm
6 sorry, one e-mail from Lou and one memorandum
7 from me about this case.

8 Q. I'm going to mark your memorandum
9 as Monson-C.

10 - - -

11 (Whereupon the document was marked, for
12 identification purposes, as Monson-C.)

13 - - -

14 BY MR. DuPONT:

15 Q. In the last paragraph of your
16 memorandum, and this is dated November 21, 2007
17 from yourself to Lou Woolf, the last paragraph
18 you refer to a report of September 10, I believe.
19 Do you see that?

20 A. Yes.

21 Q. What report is that that you're
22 referring to?

23 A. I don't remember. It was a
24 report unrelated to this case that I had done,

1 and I had all the papers in a pile somewhere. It
2 probably had to do with AML but I can't be sure.
3 But I just looked through those papers as sort of
4 preliminary, to get some preliminary impression
5 of what information was available on CLL.

6 Q. The report would have been from
7 September 10, 2007?

8 A. Yes.

9 Q. And was that a report that you
10 prepared in the context of benzene litigation?

11 A. Yes.

12 Q. Do you have a copy of that report
13 in your office?

14 A. Yes.

15 Q. Is that a report that you can
16 give to Mr. Woolf so that he can provide it to
17 us, please?

18 A. Again, I think it depends on
19 whether it was done for a specific case which was
20 not subject to deposition. Therefore, I believe
21 it was confidential.

22 Q. I'm sure your counsel in this
23 case can sort that out for you. But was this a
24 report that was served on a party to --

1 A. I don't remember what report it
2 was. I looked at it last night and realized I
3 didn't know which report I was referring to.

4 Q. In any of your other work on
5 behalf of Mr. Woolf's firm and benzene cases,
6 have you analyzed the literature as it relates to
7 CLL?

8 A. No.

9 Q. In any of your other work on
10 behalf of Mr. Woolf's firm, have you analyzed the
11 literature as it relates to benzene and NHL?

12 A. Yes.

13 Q. How many other cases?

14 A. I gave you an answer of 10 to 15.
15 That would be the number.

16 Q. I'm sorry, perhaps I wasn't
17 clear. In how many other occasions on behalf of
18 Mr. Woolf's have you analyzed the literature on
19 non-Hodgkin lymphoma and benzene?

20 A. Two or three. I mean, these were
21 done not with respect to any litigation. They
22 were done by request.

23 Q. And did you formulate reports in
24 those cases involving non-Hodgkin lymphoma?

1 A. Yes.

2 Q. And do you recall what your
3 conclusions were in any of those reports?

4 A. You can look at my report here
5 and that would be my conclusions. Basically,
6 there's no evidence, there's no consistent
7 evidence for an association between exposure to
8 benzene or benzene-containing products and NHL.

9 Q. When you say I can look at your
10 report here, are you referring to the report that
11 you issued in this case, the Salmieri case?

12 A. No, the Steinmaus and the Smith
13 thing that I gave you before which is unrelated
14 to this case.

15 Q. Have you testified on behalf of
16 any other law firms representing defendants in
17 benzene litigation besides Mr. Woolf's firm?

18 A. Yes.

19 Q. How many others?

20 A. I can recall two at the moment.

21 Q. And who are they?

22 A. One was a paint case and I'm not
23 sure who the defendant was. But the lawyer
24 escapes me; he was from Philadelphia. So,

1 anyway, the allegation was that there was benzene
2 in paint. And I don't recall the illness.

3 And the other attorney was in
4 Chicago and it was an AML case. And the product
5 I don't recall because there were multiple
6 defendants and it wasn't clear, with multiple
7 products, some were distributors, some were
8 manufacturers, some were users. It was very
9 confusing legally.

10 Q. In any of your prior work for
11 companies that are alleged to have sold or
12 manufactured benzene-containing products, did you
13 look at gasoline literature specifically?

14 A. Yes.

15 Q. How many other cases?

16 A. One or two is what I recall.

17 Q. Do you recall the names of those
18 cases?

19 A. No.

20 Q. Did you issue reports in those
21 cases?

22 A. Yes.

23 Q. And did you testify?

24 A. There were depositions, I

1 believe. Usually, there's a deposition somewhere
2 along the line.

3 Q. Do you remember when those
4 depositions were given?

5 A. No. It would be in my list of
6 depositions, within the last ten years.

7 Q. We'll mark as the next exhibit,
8 Monson-D, the January 14, 2008 e-mail from Mr.
9 Woolf to yourself.

10 - - -

11 (Whereupon the document was marked, for
12 identification purposes, as Monson-D.)

13 - - -

14 BY MR. DuPONT:

15 Q. In the PS line of this e-mail,
16 Mr. Woolf asks you and it says: I would
17 appreciate it if you would do it for CLL
18 specifically but also with regard to the small
19 cell NHL issue.

20 And he's referring to his request
21 that you do a detailed report with regard to the
22 relationship between gasoline on the one hand and
23 CLL and benzene and CLL. Take a look at that.

24 Did you in fact do a review of

1 the literature and issue a report as it relates
2 to small cell NHL?

3 A. I looked to see if I could find
4 anything and didn't.

5 Q. When you say small cell NHL, is
6 that small cell lymphocytic leukemia?

7 A. It's what it's called. You
8 called it SLL. That's small lymphocytic
9 lymphoma. Is that what SLL stands for?

10 Q. What's your understanding of what
11 you were asked to do with respect to small cell
12 NHL?

13 A. Small cell lymphoma, yes. It's
14 called small cell -- no, it's called small
15 lymphocytic, I'm sorry. The terminology is very
16 confusing and this has just come along in the
17 last few years. I believe it's called small
18 lymphoma. Is that the correct term?

19 Q. Is that your understanding of it?

20 A. It's the same disease except for
21 location as CLL. There's CLL which is
22 classically a disease of chronic lymphatic
23 leukemia. And then there's this type of NHL
24 which is called small lymphocytic lymphoma.

1 That's what it is.

2 Q. Those are both types of B cell
3 non-Hodgkin lymphomas?

4 A. They're two different forms of
5 the same disease is my understanding. I'm not a
6 hematologist. I wouldn't want to. I'm not an
7 expert in that area.

8 Q. And you would agree with me that
9 both CLL and SLL fall within the rubric of B cell
10 non-Hodgkin lymphoma?

11 A. To the best of my recollection.
12 I'm not an expert. I believe I just read it
13 there in the American Cancer Society document.

14 Q. Have you spoken with anyone from
15 ExxonMobil or Sunoco or Texaco regarding this
16 case?

17 A. No.

18 Q. Have you ever spoken with Dr.
19 Schnatter?

20 A. I may have met him many years
21 ago. I don't recall.

22 Q. Did you review any depositions
23 given by Dr. Schnatter?

24 A. No.

1 Q. There's a paper in here by Aaron
2 Blair, and that's part of your file. Can you
3 tell us why it is you reviewed that paper?

4 A. The title is Chemical Exposures
5 and Risk of Chronic Lymphocytic Leukaemia,
6 spelled the British way.

7 Q. And when in your analysis in this
8 case did you review that? Did you review that as
9 part of preparing your report?

10 A. I was trying to think -- I didn't
11 include it in my report and that was either
12 because when I first wrote the report it hadn't
13 been published -- it was published on-line in
14 October 24, 2007, and I'm not sure exactly when I
15 came across it. But on the other hand, it's a
16 review. It's not a specific study. But it has
17 to do with chemicals and CLL.

18 MR. DuPONT: I'm going to go
19 ahead and mark that as E.

20 - - -

21 (Whereupon the document was marked, for
22 identification purposes, as Monson-E.)

23 - - -

24 BY MR. DuPONT:

1 Q. The next section of your file I'm
2 going to hand you appears to be your report and
3 the studies that you relied upon?

4 A. Yes.

5 Q. Are those studies that you've
6 cited to in your report all the studies you
7 intend to rely upon in support of your opinions
8 in this case if you were to testify at trial?

9 A. No.

10 Q. Can you tell me what other
11 articles you rely upon in support of your
12 opinions?

13 A. If new articles come along, I
14 would include them. And if there are articles
15 I've missed that are pointed out to me, I may or
16 may not consider them.

17 Q. Up until this point in time, can
18 you tell me all the articles you intend to rely
19 upon in support of your opinions?

20 A. Yes.

21 Q. And which are those?

22 A. I'm sorry?

23 Q. Which are those? Which articles
24 do you intend to rely upon that have been

1 published up until this point in time?

2 A. The ones here. As I say, there
3 may be others that I missed, and if I find out
4 about them, I may add them. I have no plans to
5 do that, but you never know.

6 Q. I want to get to your methodology
7 here in a little bit. But I'd like to know --

8 MR. DuPONT: First of all,
9 Exhibit-F, we'll make that his report
10 along with the studies that he's relied
11 upon.

12 - - -

13 (Whereupon the document was marked, for
14 identification purposes, as Monson-F.)

15 - - -

16 BY MR. DuPONT:

17 Q. How did you perform your
18 literature review in order to come to your
19 opinion in this case?

20 A. I usually do a PubMed search of
21 either the disease or the exposure or both. And
22 then I read the bibliography of the papers to see
23 if there are other papers that have not turned up
24 in PubMed.

1 Q. You say that that's how you
2 usually perform your search?

3 A. Yes.

4 Q. I want to know how you did that
5 specifically as part of your methodology in this
6 case.

7 A. That's what I did. I mean, also,
8 my initial review was of papers I had for other
9 cases on AML which I had obtained through PubMed.

10 Q. And how did you come to the
11 decision on which papers to include or which
12 papers to rely upon in support of your opinions?

13 A. Basically, I looked at studies
14 and saw if they had any information on CLL and
15 benzene or benzene-containing products.

16 Q. And did you then include all
17 papers that had information on CLL and exposure
18 to benzene or benzene-containing products within
19 your report?

20 A. There are some papers that were
21 not studies but were reviews or opinion pieces I
22 did not rely upon.

23 Q. Did you include all papers that
24 you felt were studies as opposed to reviews or

1 opinion pieces in your report?

2 A. Some papers are updates of
3 earlier papers and I include only the latest
4 paper on a given group of people.

5 Q. Other than situations where you
6 have an update of a previous study and you
7 exclude the prior study, have you included all
8 studies that relate to CLL and exposure to
9 benzene or benzene-containing product?

10 A. Yes, all the ones that I've
11 identified.

12 Q. Am I correct that you did not
13 review the literature and report on that as it
14 relates to non-Hodgkin's lymphoma in your report?

15 A. That's correct.

16 Q. And why is that?

17 A. I wasn't asked to. Other than
18 the small lymphocytic request that we've already
19 discussed, I found no papers.

20 Q. Are you familiar with Dr.
21 Schnatter's 1996 article concerning benzene
22 exposures in the Canadian petroleum distribution
23 workers?

24 A. I don't see that I relied upon

1 it. I know that Schnatter has written a number
2 of papers and I know that he's written papers
3 with other people, so I don't have -- I don't see
4 that I relied upon it. But if you show it to me,
5 I may be able to say yes, I've seen that and go
6 from there.

7 MR. DuPONT: Why don't we take a
8 five-minute break here?

9 - - -

10 (Whereupon there was a recess in the
11 proceedings from 10:31 to 10:39.)

12 - - -

13 BY MR. DuPONT:

14 Q. So the first part of your method
15 in this case is that you go through, you perform
16 a literature search using MedLine?

17 A. PubMed.

18 Q. And then you select those cases
19 that you believe deal with CLL and exposure to
20 benzene or products containing benzene, correct?

21 A. Yes.

22 Q. And then once you have that group
23 of documents you divided them up into studies
24 which dealt with exposure to, likely exposure to

1 gasoline and CLL and exposure to benzene and CLL?

2 A. Yes.

3 Q. How is it that you came to the
4 conclusion whether or not a study dealt with
5 persons likely exposed to gasoline?

6 A. Either it was about either
7 "gasoline" was in the title or the word
8 "gasoline" was used. Petroleum marketing and
9 distribution, it's my understanding that at least
10 some of those people are exposed to gasoline.
11 Then there are people who work in service
12 stations, people who work as vehicle mechanics.
13 That's about it.

14 Q. So you looked either at the title
15 of the study or the body of the study to see if
16 there was mention of gasoline or a job category
17 such as petroleum distribution, vehicle mechanic,
18 gas station attendant that would indicate to you
19 that there was exposure to gasoline?

20 A. Possible exposure.

21 Q. How come you did not include the
22 2003 Glass article --

23 A. That's under benzene.

24 Q. Let me finish. How come you did

1 not include the 2003 Glass article among the
2 Studies of Persons With Likely Exposure to
3 Gasoline?

4 A. Because it's a study of benzene.

5 Q. Do you have an understanding as
6 to whether or not the workers studied by Glass in
7 the 2003 article were exposed to gasoline?

8 A. Again, all I say in my, on Page 7
9 is that they worked in the petroleum industry and
10 they were -- information on benzene was obtained.

11 Q. Do you know whether or not the
12 workers that were involved in the Glass study of
13 2003 were exposed to gasoline?

14 A. I don't know.

15 Q. If they were exposed to gasoline,
16 would you then include them within your listing
17 under Roman Numeral II of Studies of Persons With
18 Likely Exposure to Gasoline?

19 A. I'd have to look at the paper and
20 decide exactly where it should go. I only
21 include it in the paper once. And benzene is a
22 more specific chemical than gasoline is and I
23 tend to group specific chemicals together
24 separate from mixtures of chemicals.

1 Q. So, if there's a study that deals
2 with both gasoline exposure and benzene exposure,
3 you put that study in the benzene exposure column
4 as opposed to the gasoline column?

5 A. Yes.

6 Q. Why is that?

7 A. As I say, I think it's something
8 that's specific that bonds together. It's a
9 chemical, whereas gasoline is a product which is
10 a mixture of a number of chemicals.

11 Q. Which include benzene, correct?

12 A. Which may include benzene.

13 Q. Do you know if gasoline is made
14 without benzene?

15 A. No, I don't know that. I'm just
16 saying that. You were asking a general question
17 and I was giving you a general answer.

18 Q. So, if Dr. Glass had published
19 that subjects of her nested case-control study
20 had exposure to gasoline, you would not include
21 that within your list of Studies of Persons With
22 Likely Exposure to Gasoline?

23 A. If I had not done benzene as
24 well, then I would have included it. But since I

1 did both benzene and gasoline, I included it
2 under benzene.

3 Q. I am going to hand you Dr.
4 Schnatter's 1993 article entitled Retrospective
5 Mortality Study Among Canadian Petroleum
6 Marketing and Distribution Workers. I'll ask you
7 is that a study that you reviewed in the course
8 of coming to your opinions in this case?

9 A. I'm certainly aware of the study
10 and I assume I reviewed it. I can't tell you I
11 did for sure. Do you want to point out anything
12 specific?

13 Q. You have no recollection of
14 reviewing this study in the context of preparing
15 your report in this case?

16 A. I would say that that's correct.
17 It's likely I did review it but I don't recall
18 specifically excluding it or reviewing it. I
19 certainly read it in other contexts.

20 Q. And Dr. Schnatter in that study
21 finds a significantly elevated mortality due to
22 leukemia among tank truck drivers and the SMR is
23 3.35. Do you see that?

24 A. Yes.

1 Q. Would you include that that
2 study, specifically a finding of SMR of 3.35,
3 supports a conclusion that gasoline distribution
4 workers are at an elevated risk for contracting
5 leukemia?

6 A. Yes, leukemia as a general
7 category, not CLL.

8 Q. A finding of elevated risk for
9 leukemia generally among gasoline distribution
10 workers, is that relevant to CLL?

11 A. No.

12 Q. Why not?

13 A. If there's no information on CLL,
14 it's not relevant. From a cursory look here, I
15 don't see any information on CLL.

16 Q. What about lymphocytic leukemias,
17 if there's a finding of elevated risk for
18 lymphocytic leukemias in general, would that be
19 relevant to whether there's a risk for
20 contracting CLL from exposure to gasoline or
21 benzene?

22 A. It may or may not be because it
23 could refer to acute. It could refer to chronic.
24 I believe I included one or two papers that did

1 just have lymphocytic.

2 Q. How about the 2002 Guenel study,
3 if you turn to Page 7 of your report?

4 A. Okay.

5 Q. Why did you place that underneath
6 the Roman Numeral III, Studies of Persons Exposed
7 to Benzene, as opposed to Studies of Persons With
8 Likely Exposure to Gasoline?

9 A. It says gas. It doesn't say
10 gasoline.

11 Q. So what do you understand gas to
12 be that they're referring to?

13 A. Natural gas.

14 Q. Do you have that report with you?

15 A. Yes.

16 Q. If you look at Page 89 under
17 Benzene Exposure Assessment?

18 A. Yes.

19 Q. If you look at the first sentence
20 under Benzene Exposure Assessment, it says:

21 "Use of solvents" for cleaning or
22 degreasing materials and "exposure to gasoline"
23 from motor vehicles were the two work tasks that
24 may have entailed exposure to benzene. Do you

1 see that?

2 A. Yes.

3 Q. Do you agree with me that the gas
4 that's being discussed in Guenel is gasoline and
5 not natural gas?

6 A. No.

7 Q. No?

8 A. If you look further in the
9 article, examples of occupations with such
10 exposures include plumbers of the gas
11 distribution network. And that's not clear.

12 Q. He also discusses mechanics,
13 correct?

14 A. In thermic or hydraulic
15 electricity production plants. And then Group 3
16 up above is coal gasification which leads me to
17 believe that the gas is gas produced from coal,
18 which is essentially natural gas.

19 Q. What about the second exposed
20 work task?

21 A. I'm sorry, where are you looking?

22 Q. If you look at the very bottom of
23 Page 89 on the right-hand column, they discuss
24 benzene exposure may also have occurred from

1 "exposure to gasoline" identified as the second
2 exposed work task. Motor vehicle mechanics
3 working in garages represented the main
4 occupation with such an exposure. Do you see
5 that?

6 A. No, I'm sorry. Just say again
7 where it is.

8 Q. Sure, the very bottom of Page 89
9 on the right-hand column.

10 A. Yes. Okay, fine. So they may
11 have been exposed to gasoline. But I believe the
12 term "gas" refers to natural gas.

13 Q. And if you continue further down,
14 it also says: In addition, gasoline may have
15 been used as a solvent in garages, even though
16 this practice is proscribed.

17 A. Yes.

18 Q. Did you see anywhere in this case
19 that Mr. Salmieri used gasoline as a solvent?

20 A. I know nothing about the case.

21 Q. Would you now agree with me that
22 the subjects of the study Guenel 2002 had
23 exposure to gasoline?

24 A. Yes.

1 Q. Based on that, would you include
2 the Guenel study within the list of cases under
3 Studies of Persons With Likely Exposure to
4 Gasoline?

5 A. Not in the article -- not the
6 report as I wrote it because benzene is the
7 primary exposure. Had I done a study only of
8 gasoline workers, then I would have included
9 that.

10 Q. But the benzene exposure comes
11 from gasoline, correct?

12 The authors aren't concluding
13 that the individuals are having exposure to pure
14 benzene in this case, are they?

15 A. It says use of solvents for
16 cleaning or degreasing materials that may have
17 entailed exposure to benzene.

18 Q. Correct. Use of solvents and use
19 of gasoline, correct?

20 A. So use of solvents containing
21 benzene was widely used, so it's hard to say
22 whether there was more exposure from solvents or
23 from gasoline.

24 Q. Do you know what the authors

1 concluded regarding the benzene content of the
2 solvents versus the gasoline being used?

3 A. On Page 89, they talk about the
4 standard which was less than 1 percent in 1969
5 and less than 2 percent weight in 1986.

6 Q. That's actually .2 percent
7 weight, correct?

8 A. Yes.

9 Q. That's with respect to solvents,
10 right?

11 A. Yes.

12 Q. And then the standard referred to
13 with respect to gasoline is 5 percent?

14 A. Yes.

15 Q. And then the author gives a ratio
16 for exposure to gasoline versus use of solvents
17 on Page 90. Do you see that?

18 A. Yes, I'm trying to figure that
19 out.

20 Q. Am I correct in that the authors
21 assign a ratio of two-to-one for exposure to
22 gasoline versus use of solvent pre-1970 and then
23 a ratio of ten or .4 to .04 for gasoline versus
24 use of solvent after 1985?

1 A. This is an index of benzene
2 exposure intensity.

3 Q. Correct.

4 A. It doesn't refer to the ratio of
5 use of solvents to the ratio of use of gasoline.

6 Q. Now, you've published some
7 studies with respect to the incidence of leukemia
8 amongst rubber workers?

9 A. Yes.

10 Q. Am I correct in some of those
11 studies you found where there was an increased
12 risk of leukemia amongst rubber workers that some
13 of those workers used gasoline as a solvent in
14 the context of the work?

15 A. They used white gas, which is I
16 guess a form of gasoline.

17 Q. Actually, you characterize it as
18 high test gasoline?

19 A. If you say so. You'd have to
20 point that out to me.

21 Q. I'm going to hand you your
22 article Cancer Mortality and Morbidity Among
23 Rubber Workers and point your attention to Page
24 1035.

1 MR. WOOLF: What's the date?

2 MR. DuPONT: It's 1978.

3 BY MR. DuPONT:

4 Q. I'll apologize, I'm going to look
5 over your shoulder because I don't have another
6 copy with me. But you write here in 1978 that
7 the most common solvents used in this company
8 were fine petroleum solvents, principally high
9 test gasoline and varnish makers naphtha. Is
10 that correct?

11 A. Yes.

12 Q. You did not include that study
13 within your review of literature in your report
14 in this case, did you?

15 A. No.

16 Q. And that study shows an elevated
17 risk of leukemia among those workers who used
18 gasoline as a solvent?

19 A. Yes. There's no information on
20 CLL.

21 Q. There's 19 cases lymphatic
22 leukemia?

23 A. There's 21 mortality deaths and
24 27 cases.

1 Q. Did that study in 1978 find 19
2 workers who were diagnosed with lymphatic
3 leukemia?

4 A. No, found 27.

5 Q. 27 with lymphatic leukemia?

6 A. Yes.

7 Q. And then it also found workers
8 with lymphosarcoma?

9 A. Yes.

10 Q. Do you know where the information
11 is today on which those diagnoses were based on?
12 In other words, are there still records that can
13 tell us what forms of lymphatic leukemia those 27
14 workers had?

15 A. No, I don't know.

16 Q. You state in your paper that you
17 hesitate to attribute the excess of leukemia
18 solely to benzene. Do you see that?

19 A. Yes.

20 Q. If you can turn to Page 7 of your
21 report, please?

22 A. Go ahead.

23 Q. At the bottom, you have your
24 discussion of the Glass 2003 study?

1 A. Right.

2 MR. WOOLF: While you're doing
3 that, let me see Monson '78.

4 MR. DuPONT: Sure.

5 BY MR. DuPONT:

6 Q. Now, your principal comment with
7 respect to the Glass study is that it has a
8 potential flaw and that the cases were identified
9 by self-report rather as part of the standard
10 system of follow-up. Is that correct?

11 A. Yes.

12 Q. And am I correct in that your
13 concern with that is that cases of CLL may have
14 been omitted because they were not reported?

15 A. No. It's more that cases of CLL
16 may have self-identified if they were concerned
17 about benzene exposure.

18 Q. Have you reviewed Dr. Glass'
19 response to critiques of the 2003 article and the
20 use of self-reporting?

21 A. I believe so. There's two or
22 three subsequent articles dealing with the
23 exposure assessment, and the self-reporting, I
24 don't have it in my mind.

1 Q. Are you familiar with the
2 Institute of Occupational Medicine?

3 A. Can you say more about it?

4 Q. Sure. In July of 2005, Institute
5 of Occupational Medicine authored a study
6 entitled A Review of the Data Quality and
7 Comparability of Case-Control Studies With Low
8 Level Exposure to Benzene in the Petroleum
9 Industry. The principal author was B.G. Miller.

10 A. Can I see it?

11 Q. Sure.

12 A. No, I haven't seen this.

13 Q. Do you agree with Dr. Glass'
14 response to the criticism and the use of
15 self-reporting that essentially it does not
16 affect the validity of the study because there's
17 an over 90 percent participation rate of the
18 members of the cohort?

19 A. Can I see that, please?

20 Q. Sure.

21 A. I don't see the 90 percent. I
22 suspect it's in here somewhere. Was it on this
23 page?

24 Q. Were you aware that there was an

1 over 90 percent participation rate?

2 A. I know I've read that paper.

3 That's all I can say.

4 Q. So you're not aware that there
5 was an over 90 percent participation rate?

6 A. If I had read the paper, I
7 undoubtedly read that statement. I don't recall
8 that statement.

9 Q. If there was an over 90 percent
10 participation rate in that cohort examined by Dr.
11 Glass in 2003, would you agree with me that that
12 would eliminate any concern with respect to any
13 selection bias or reporting bias?

14 A. It wouldn't eliminate anything
15 because that's an overall percentage. It's not
16 specific for people with CLL or for benzene
17 exposure.

18 Q. If you had no information to
19 suggest that there was a different percentage of
20 reporting rate or inclusion rate for CLLs --

21 A. It doesn't eliminate it. It's
22 better to have a 90 percent inclusion rate than a
23 50 percent inclusion rate.

24 Q. Would you agree with me that it

1 would eliminate any cause for concern with
2 respect to the reporting bias?

3 A. It wouldn't eliminate it. It
4 would minimize it.

5 Q. If Dr. Schnatter wrote that there
6 were no obvious design flaws in the 2003
7 Schnatter study, would you agree with him?

8 A. I'm sorry?

9 Q. If Dr. Schnatter concluded that
10 there were no obvious design flaws in Deborah
11 Glass' 2003 study, would you agree with his
12 conclusion?

13 A. I wouldn't disagree with it. I
14 haven't read that. The main concern about the
15 health watch study in general is comparing Glass
16 to Gun, overall excess of CLL in the entire
17 cohort. And when you do a case-control
18 evaluation of benzene which goes beyond the basic
19 data in the follow-up study, you find this
20 excess. So it's an ambiguous study.

21 Q. The Glass 2003 is a nested
22 case-control?

23 A. Within the Gun cohort.

24 Q. Correct.

1 A. In addition, she identifies other
2 cases that are not included in it.

3 Q. So, with Gun and Glass, in
4 certain respects, you're looking at different
5 cohort members?

6 A. The Gun study is the cohort. The
7 Glass study includes Gun plus other people. And
8 it's not clear to me that they belong to
9 different cohorts.

10 Is Gun a mortality or -- Gun has
11 cancer incidence as well so it's -- there's
12 ambiguity into how those studies were done
13 because they were done by different people at
14 different institutions.

15 Q. So you can't necessarily relate
16 the findings of one to the other, can you?

17 A. They should be consistent with
18 each other and they're not, and that raises a
19 general concern of what's going on and I can't
20 answer that question.

21 Q. But the Gun study doesn't
22 invalidate the findings of Glass' 2003 study,
23 does it?

24 A. It's not consistent with it.

1 Q. But it does not invalidate the
2 findings, does it?

3 A. Not by itself. But one has to
4 present both sets of findings in attempting to
5 evaluate that study in general.

6 Q. You mentioned that you used a
7 weight of the evidence approach in your report.
8 Can you describe that for me what your weight of
9 the evidence --

10 A. Can you point out where I say
11 that?

12 Q. Sure. Do you in fact use a
13 weight of the evidence approach?

14 A. That term is a bit loaded and I
15 -- okay. It's on Page 2. The term "weight of
16 the evidence" is used in describing or in
17 discussing the Bradford-Hill criteria.

18 Q. So do you use a weight of the
19 evidence approach in coming to your opinions in
20 your report?

21 A. I would say that that is part of
22 what I do, yes.

23 Q. Could you describe for me what
24 your methodology is for your weight of the

1 evidence approach?

2 A. If you look at the summarization
3 of the papers, just start out on Page 10 which
4 contains Studies of Persons With Likely Exposure
5 to Gasoline, one way to summarize the weight of
6 the evidence is to summarize the data and that
7 would be under or in the line titled Sums.

8 Another way to describe the
9 weight of the evidence is to compare the
10 distribution of observed over expected ratios,
11 whether they're less than .9, .9 to 1.1 or
12 greater than 1.1. So those are two different
13 ways of summarizing the weight of the evidence.

14 Q. Your weight of the evidence
15 approach is limited to looking at epidemiology
16 studies. Is that correct?

17 A. Yes.

18 Q. And specifically it's limited to
19 those epidemiology studies which you felt were
20 properly included within either benzene-exposed
21 populations and likely exposure to gasoline as it
22 related to CLL?

23 A. Yes. I include all leukemia as
24 well.

1 Q. So you include all leukemia?

2 A. In this particular review, I
3 included all leukemia as well as CLL.

4 Q. So findings relating to all
5 leukemia in your review are relevant to CLL?

6 A. No.

7 Q. But you included them?

8 A. I included them for comparison
9 purposes.

10 Q. On Page 10, you have your summary
11 of the data and you have a table for the Studies
12 of Persons With Likely Exposure to Gasoline,
13 correct?

14 A. Yes.

15 Q. And there you look at six
16 studies; Schwartz, Wong, Hunting, Lynge, Milham
17 and Sorahan?

18 A. Yes.

19 Q. And you provide the observed and
20 expected numbers of leukemia in one column and
21 then CLL in another and then what the ratios are?

22 A. Yes.

23 Q. And then you provide the sums of
24 all the observed, all the expected and all the

1 ratios?

2 A. It's not the sum of the ratios.

3 It's the ratio of the sums.

4 Q. Again, in that chart, you did not
5 include, on Page 10, you did not include the
6 Guenel or the Glass study?

7 A. No.

8 Q. And you did not include
9 Schnatter's 1996 study?

10 A. No.

11 Q. And then on the next page you do
12 the same thing for what you've chosen to be
13 Studies of Populations Exposed to Benzene?

14 A. Yes.

15 Q. And there you also did not
16 include the Glass study. Is that correct?

17 A. Yes.

18 Q. And you did not include Dr.
19 Schnatter's 1996 paper either?

20 A. Right.

21 Q. Are you familiar with Wendy
22 Huebner's 2004 study looking at Baton Rouge and
23 Baytown Mobil refineries?

24 A. Yes.

1 Q. I'm correct, am I not, that
2 refinery workers are exposed to gasoline?

3 A. I would say there's potential
4 exposure. I don't know the nature of refinery
5 workers in general.

6 Q. Wouldn't that be important for
7 you to know in order to assign a study such as
8 Dr. Huebner's study to benzene exposure or likely
9 gasoline exposure category?

10 A. Yes.

11 Q. And am I correct that you did not
12 include Dr. Huebner's 2004 study in your review
13 and your report, did you?

14 A. No.

15 Q. You did not do that?

16 A. I don't see it.

17 Q. How come you didn't include her
18 study?

19 A. I'd have to look at it and see.

20 Q. While you're doing that, can I
21 have your copy of the Glass study, please?

22 A. (Witness complies.)

23 Q. Thank you, Doctor.

24 A. This is included in a group of

1 petroleum workers that I did not review because
2 there was little or no information on specific
3 exposures.

4 Q. That study found an SMR of 2.42
5 for chronic lymphocytic leukemia within the Baton
6 Rouge finding, didn't it?

7 A. I believe so, yes.

8 Q. Would you agree with me that
9 refinery workers are a population of workers that
10 are exposed to gasoline and benzene?

11 A. As I say, I don't know that. I
12 notice that it says during the war there was use
13 of or production of high something, aviation
14 gasoline. But it doesn't say how many people
15 were exposed or how long this was done. And the
16 paper doesn't talk about gasoline beyond that
17 that I can see.

18 Q. So gasoline exposure was one of
19 the exposures that the authors considered?

20 A. They mentioned it. I can't say
21 that they considered it.

22 Q. Doctor, if you look to Page 12 of
23 your report in the Conclusions section?

24 A. Go on.

1 Q. Under Studies of Persons Exposed
2 to Benzene, second paragraph, you say there is no
3 consistency among the 12 studies of persons
4 exposed to benzene that there is a positive
5 association between benzene and CLL. Do you see
6 that?

7 A. Yes.

8 Q. Which of the 12 studies are you
9 referring to?

10 A. The inverse studies would be
11 Decoufle -- I'm sorry. 1983 Tsai, Rinsky,
12 Collins, Ji.

13 Q. You say six show a positive
14 association. Those would be Linos, Arp -- which
15 ones are they that show positive association, the
16 six?

17 A. Linos, Arp, Bernard, Malone,
18 Guenel, Sorahan.

19 Q. Again, you're not including
20 Glass?

21 A. The problem with Glass is that
22 this is -- I didn't include Gun either. I agree
23 that Glass shows a positive association on an
24 internal analysis. But the external analysis

1 shows no excess. And so it's an ambiguous
2 situation that by itself provides little
3 information to base an opinion. I don't deny the
4 existence of the Glass study nor its conclusions.

5 Q. You don't consider them in your
6 analysis of the --

7 A. It's not a study of a population.
8 It's a case-control study within a cohort.

9 Q. That makes it a nested
10 case-control study, right?

11 A. It's more than that. That's the
12 problem. To some extent, it's nested but then it
13 goes beyond that. It's a combination of a nested
14 case-control and a non-population-based
15 case-control study.

16 Q. Certainly, in 2003, what were the
17 expected number of CLLs for Glass' 2003 study?
18 There's 11 observed, correct? How many expected?

19 A. I should have the Gun paper to
20 put it in context.

21 MR. WOOLF: I have a copy of the
22 Gun article with me if you want to see it
23 in conjunction with what you're looking
24 at.

1 THE WITNESS: All right.

2 BY MR. DuPONT:

3 Q. My question is is with respect to
4 the Glass 2003 study. Do you know what the
5 expected number of CLLs were?

6 A. The observed number was 11.

7 Q. Correct.

8 A. You said expected? And then the
9 analysis was internal by cumulative lifetime
10 benzene exposure. That's Table 6 and that's the
11 relevant data in this article. So that under
12 four cumulative lifetime -- under four PPM years
13 by definition the relative risk is 1; between 4
14 and 8 is 2.8; and above 8 it's 4.5. It doesn't
15 break it down by the numbers.

16 Q. So your answer is no, you don't
17 know what the expected number of CLLs was?

18 A. No, because it isn't -- it's 11
19 in this particular analysis. Observed is 11 and
20 expected is 11. And within that, she looks at
21 the distribution but doesn't say how many
22 observed cases there are in the three categories.
23 And the intervals are extremely wide, so it's
24 quite possible that the observed number in the

1 higher categories is 1 each or something like
2 that.

3 Q. I respectfully move to strike
4 your response. It was not a response.

5 My question was: Do you know
6 what the expected number of CLLs was?

7 A. No, except as I said in this
8 table the observed number and the expected number
9 are the same. That's what I responded.

10 Q. Turn to Page 9 of your report.
11 You provide your analysis of the 2007 ATSDR
12 toxicological profile for benzene?

13 A. I'm sorry, let me just -- is this
14 mine or yours?

15 Q. It's yours.

16 A. Did you write on it?

17 Q. I did not.

18 A. I'm sorry, go back to your
19 question.

20 Q. Sure. Turn to Page 9 of your
21 report.

22 A. Okay.

23 Q. At the bottom of the page, you
24 provide your analysis of the ATSDR's

1 toxicological profile for benzene in 2007?

2 A. I provide a statement from the
3 ATSDR report.

4 Q. You state that CLL is not
5 discussed, correct?

6 A. Yes.

7 Q. Had the ATSDR found that there
8 was suggestive evidence of association between
9 benzene and CLL, would that have influenced your
10 consideration?

11 A. I would have looked to see why
12 they made that statement.

13 Q. Would a finding by the ATSDR that
14 there was suggestive evidence that benzene causes
15 CLL, would that influence your weight of the
16 evidence analysis towards a more consistent
17 finding of elevated risk for CLL from benzene
18 exposure?

19 A. You're giving me a hypothetical
20 and I can't answer that.

21 Q. Do you give credence to the fact
22 that the ATSDR or other government agencies find
23 suggestive evidence of the link between CLL
24 exposure and benzene -- I misspoke. I'll

1 rephrase the question.

2 Is a conclusion of an agency of
3 the federal government such as the ATSDR that
4 there's suggestive evidence or causal association
5 between benzene exposure and CLL, is that
6 important in your consideration or weight of the
7 evidence?

8 A. It's relevant but then I have to
9 look at what the basis for that conclusion is.

10 Q. What would you want to look at?

11 A. I'd want to look at why they make
12 that statement, which there should be a reference
13 to the scientific literature.

14 MR. WOOLF: Counsel, since you've
15 asked him about ATSDR, if you have a
16 reference from the ATSDR that there is a
17 suggestive basis that benzene causes CLL,
18 I'd appreciate it if you would show it to
19 the witness so he might comment on it.

20 BY MR. DuPONT:

21 Q. Would your answer be the same if
22 the EPA concluded that there was suggestive
23 evidence that exposure to benzene causes CLL?

24 A. Yes.

1 Q. So you would find that relevant
2 and important to your analysis, correct?

3 A. I didn't say important. I said I
4 would consider it as relevant to look at.

5 Q. If the EPA concluded that there's
6 suggestive evidence of a causal association
7 between CLL and benzene exposure, would that
8 influence your decision that the weight of the
9 evidence is stronger that benzene exposure causes
10 CLL?

11 A. Not by itself. I'd have to look
12 at the basis for that conclusion.

13 Q. Doctor, are you familiar with the
14 Shanghai studies?

15 A. Are these the Chinese benzene
16 studies?

17 Q. Right, being done by your
18 clients.

19 A. No.

20 Q. You're not familiar with them?

21 A. No. My clients?

22 Q. ExxonMobil, Chevron, Texaco.

23 A. No. You're not talking about the
24 NCI studies is what I'm asking?

1 Q. No, the ones that are being
2 conducted by the American Petroleum Institute and
3 some of the oil companies.

4 A. No, I'm not.

5 Q. So you wouldn't know whether
6 those studies made any findings with respect to
7 CLL?

8 A. No.

9 Q. Do you agree that the
10 epidemiological findings as they relate to NHL
11 are applicable to CLL?

12 A. No.

13 Q. If Dr. Schnatter testified that
14 the epidemiological findings as they relate to B
15 cell NHLs are applicable to CLL, would you
16 disagree with him?

17 A. I don't know enough about the
18 types of NHL to make a comment.

19 Q. Would you disagree with him?
20 Would you think he's wrong?

21 MR. SCOTT: Object to form.

22 THE WITNESS: No, I can't make a
23 comment.

24 BY MR. DuPONT:

1 Q. Doctor, you accept that benzene
2 causes leukemia, correct?

3 A. I accept that at certain levels
4 it causes myelogenous leukemia.

5 Q. And if you accept that benzene
6 causes, as you say, acute myelogenous leukemia at
7 certain levels, is what's the determinant for you
8 is then the amount of exposure that the person
9 sustains in order to link the benzene exposure to
10 AML, correct?

11 A. In essence, yes.

12 Q. So all you're really looking at
13 is the dose of benzene that the person intakes
14 into their body, correct?

15 A. Specifically a measure that is
16 used is parts per million years, which is an
17 exposure measure.

18 Q. Correct.

19 A. Not a dose measure.

20 Q. And what's important is how much
21 benzene exposure there is, correct, in your
22 analysis?

23 A. How much there is for how long.

24 Q. No matter where that benzene

1 exposure comes from as long as you have that
2 amount of benzene exposure for what you say is
3 the correct length of period of time?

4 A. Can I just get a cup of coffee
5 since I see it over there? Then I'll answer your
6 question.

7 Q. I'll get you the cup of coffee,
8 if you can answer the question?

9 A. With a little bit of milk. I was
10 distracted by seeing the coffee, I'm sorry.

11 - - -

12 (Whereupon the court reporter read back
13 the pertinent testimony.)

14 - - -

15 THE WITNESS: Yes.

16 BY MR. DuPONT:

17 Q. So, in your analysis, it's
18 relevant not where the exposure to benzene comes
19 from; what's important is the amount of exposure?

20 A. Yes.

21 Q. Doctor, the IARC, their monograph
22 on gasoline was published in 1989. Is that
23 correct?

24 A. Yes.

1 Q. So that predates Dr. Schnatter's
2 1996 study?

3 A. Yes.

4 Q. And it predates Guenel's study in
5 2002, correct?

6 A. Yes.

7 Q. Would you agree with me that a
8 lot has happened with respect to benzene research
9 since 1989?

10 A. Yes.

11 Q. Would you also agree with me a
12 lot has happened with respect to gasoline
13 research since 1989?

14 A. A lot is -- I'm not sure I would
15 agree with that gasoline per se.

16 Q. I guess what I'm getting at is:
17 Isn't the 1989 IARC monograph on gasoline a
18 little outdated?

19 A. Yes.

20 Q. The risk in contracting leukemia
21 from benzene exposure, is that supralinear at low
22 doses?

23 A. At low doses? You have to define
24 low.

1 Q. Is the risk in contracting
2 leukemia supralinear at any point?

3 A. I have no information to that
4 effect.

5 Q. Do you agree that benzene is a
6 carcinogen by all routes of exposure?

7 A. Are you talking about human or
8 animal?

9 Q. Human.

10 A. I don't know that. The only
11 information that is in the epidemiologic
12 literature to the best of my knowledge is
13 inhalation.

14 Q. Now, are you familiar with how
15 the EPA performs its weight of the analysis?

16 A. No.

17 Q. Strike that. That was a poorly
18 phrased question.

19 Do you know how the EPA performs
20 its weight of the evidence analysis as it relates
21 to benzene?

22 A. No.

23 Q. Do you know how epidemiology
24 studies rank in comparison to things such as

1 mechanistic studies or chromosomal studies or
2 bioassays in the weight of analysis approach?

3 A. For EPA?

4 Q. Correct.

5 A. No.

6 Q. Why is it that epidemiologists
7 use nested case-control studies as opposed to
8 cohort studies?

9 A. Ordinarily, when one does a
10 cohort study, one has only limited information on
11 exposure either work area or substances. So, if
12 they find an excess or if there's a disease that
13 is of interest, they then go back and collect
14 further exposure information on the cases of
15 disease of interest and the comparison group.

16 Q. So would it then be an advantage
17 of the nested case-control study that you have
18 better information concerning exposure?

19 A. Theoretical advantage.

20 Q. Is it also an advantage of the
21 nested case-control study that you have a better
22 understanding of what the comparison population
23 is?

24 A. I don't understand that question.

1 Q. When you have a nested
2 case-control study, you're taking cases within a
3 larger cohort and comparing them to the cohort as
4 a total?

5 A. No, you're comparing them to a
6 subset of the cohort.

7 Q. And the subset that you're
8 comparing them to, is that generally better
9 defined in other forms of cohort studies?

10 A. I still don't understand --
11 better defined with respect to what?

12 Q. With respect to what the
13 comparison population is. Are you able as an
14 epidemiologist to assure yourself or have a more
15 better understanding of what the comparison
16 population is?

17 A. You're talking about two
18 different things. In the cohort study, usually
19 the comparison population is external.

20 Q. Correct.

21 A. Either another company, another
22 area within the same company or general
23 population statistics.

24 Q. Correct.

1 A. In a case-control, a nested
2 case-control study, the comparison group -- I
3 call them a group rather than a population
4 because they're selected on some basis from the
5 overall non-case population.

6 Q. And because the comparison group
7 in a nested case-control study is internal as
8 opposed to external in the cohort study, you have
9 a better defined comparison group for nested
10 case-control studies?

11 A. See, that's what I have trouble
12 with. You're saying "better defined". With
13 respect to what?

14 Q. You have a more certain
15 understanding of what exactly the comparison
16 group is?

17 A. I don't think that that follows.

18 Q. You're familiar with the
19 Bradford-Hill factors?

20 A. Yes. The term usually uses
21 criteria but I wouldn't object to your using
22 factors.

23 Q. In order to use the Bradford-Hill
24 factors, you don't necessarily have to satisfy

1 all the different factors in order to come to a
2 conclusion concerning causation, do you?

3 A. No.

4 Q. So they're not really criteria;
5 they're just different things you want to look
6 at?

7 A. Yes. The word "criteria" is used
8 but it doesn't mean it's the best word.

9 Q. Sometimes, for example, the
10 biological plausibility of a causal association
11 will be stronger than say the consistency of the
12 evidence?

13 A. You better rephrase that, please.

14 Q. Are there circumstances wherein
15 reviewing the Bradford-Hill factors the
16 biological plausibility of the causal association
17 is stronger or more relevant than the consistency
18 of the evidence?

19 A. You mean epidemiologic evidence?

20 Q. Yes.

21 A. Thank you. Would you repeat the
22 question?

23 - - -

24 (Whereupon the court reporter read back

1 the pertinent testimony.)

2 - - -

3 THE WITNESS: I'm aware of one
4 situation, and there may be a second,
5 where IARC has considered mechanistic
6 factors, which are biologic factors, if
7 you will, in animals, to be sufficient to
8 judge that the substance is a carcinogen
9 in humans. That's ethylene oxide.
10 There's very little human evidence on
11 ethylene oxide.

12 BY MR. DuPONT:

13 Q. So where there is little human
14 evidence, looking at the biological plausibility
15 is more important?

16 A. There has in situations where
17 that is correct. In general, it's not the usual
18 way of making judgments.

19 I believe the reason that they
20 came to that conclusion is they understand the
21 mechanism that causes ethylene oxide to cause
22 cancer in animals and they understand that the
23 mechanism is the same in humans.

24 Q. You'd agree with me epidemiology

1 studies cannot rule out causal association?

2 A. Whether an association is causal
3 or not is a matter of judgment. No study can
4 either rule out or rule in the judgment of the
5 causal association.

6 Q. Then it also would be true that
7 epidemiology studies cannot rule out an elevated
8 risk as a result of exposure of a particular
9 chemical? In other words, if you have a negative
10 finding in an epidemiology study, that doesn't
11 mean that there's no risk to exposure to that
12 agent, does it?

13 A. Single study, no. But if you got
14 100 studies which shows an elevated risk, I would
15 say that's sufficient evidence to come to a
16 judgment that there is no elevated risk.

17 Q. Are you familiar with the terms
18 Type 1 error and Type 2 error as they relate to
19 biases in epidemiology?

20 A. You say bias and I'm trying to
21 think what I learned in statistics. I wouldn't
22 call them biases. I would call them what
23 statisticians call them, which is errors. And
24 I'm familiar with the terms.

1 Q. The term Type 1 error, does that
2 often refer to a finding that there is an
3 association when none is actually present due to
4 chance?

5 A. I'm sorry, I never remember which
6 is one or two. One of them is that way and one
7 is the other way. One is failure to find an
8 association when in fact there is one.

9 Q. When you design an epidemiology
10 study as an epidemiologist, do you design the
11 study so it's more likely you're going to have an
12 error of not finding an association than you
13 would of actually finding association when it's
14 actually by chance? In other words, do you try
15 and design the study to avoid what I'll term the
16 Type 1 error?

17 A. In most of the studies I've done,
18 I haven't considered Type 1 or Type 2 errors
19 because I study everyone available in a given
20 population, so there's no issue as to is it too
21 large, too small, whatever. You study everybody.

22 Q. When an epidemiologist sits down
23 and designs a cohort study or other type of
24 epidemiology study, is it the general practice to

1 design the study so that you're avoiding the
2 error of finding a risk by chance?

3 A. That's done much more in clinical
4 trials, how big a clinical trial should one do
5 based on what one already knows.

6 In epidemiology, if you know
7 nothing, you can't do a power calculation. And
8 if you have a population of limited size, either
9 you make a judgment is this population large
10 enough to study. And in the absence of any
11 information, there's no answer other than yes,
12 it's large enough to study.

13 Q. In your analysis in this case, in
14 your report as part of your methodology, do you
15 make any kind of accounting for any biases that
16 were present in studies that you reviewed?

17 A. Only to the extent if I felt the
18 study was totally wrong, bad that I would not
19 include it.

20 Q. For example, did you consider
21 whether in any of your studies that you've listed
22 in the report there is a misclassification of
23 exposure or misclassification of disease?

24 A. No.

1 Q. Did you consider the Healthy
2 Worker Effect in analyzing any of the studies
3 that you relied upon?

4 A. On this report, no.

5 Q. Essentially, what you've done is
6 a small meta-analysis of the CLL and leukemia
7 literature?

8 A. No. I did a description.

9 Q. A description?

10 A. A meta-analysis makes a number of
11 assumptions with respect to the papers that are
12 being reviewed, and they wouldn't be met by a
13 review of the literature. Meta-analysis, again,
14 are much more relevant to clinical trials.

15 MR. DuPONT: Let's take a
16 five-minute break.

17 - - -

18 (Whereupon there was a recess in the
19 proceedings from 12:11 to 12:24.)

20 - - -

21 BY MR. DuPONT:

22 Q. Doctor, I have before me what's
23 marked as Monson-A. It's your Evaluation of
24 Papers by Steinmaus and then also your Evaluation

1 of Martin Smith's Paper on NHL. And the
2 Steinmaus evaluation, if I'm correct, is dated
3 July 7, 2008 at the bottom of the paper and then
4 on your signature line it's also dated July 7,
5 2008. And the analysis of Martin Smith's paper
6 is dated June 30, 2008.

7 When did you start the project
8 that culminated in these two evaluations?

9 A. The Martin Smith one would have
10 been sometime in 2007. I just, I put the date on
11 when I printed it out. The Steinmaus one, again,
12 was just after it came out, probably the
13 beginning of this year.

14 Q. How much were you paid for your
15 work to analyze the Steinmaus and Smith papers?

16 A. I really don't have any
17 recollection. I can make a guess but I don't
18 really know. Ten to 15,000 I'd say.

19 Q. Did you submit an invoice to Mr.
20 Woolf for that?

21 A. Yes.

22 Q. You included in your evaluations
23 discussion of Glass' 2003 article, correct?

24 A. If you say so, yes.

1 Q. Which study design or data
2 collection biases did you analyze in your report
3 in this case with respect to the literature that
4 you cited?

5 A. Are you talking about Smith or
6 Steinmaus?

7 Q. I'm sorry, I wasn't clear. Let's
8 talk about Steinmaus first.

9 A. Why don't you give me your copy?
10 Can you repeat the question, please?

11 - - -

12 (Whereupon the court reporter read back
13 the pertinent testimony.)

14 - - -

15 THE WITNESS: First, I compared
16 my reading of the literature to Steinmaus
17 and either agreed or disagreed with his
18 abstracting of data from the literature.
19 So I did that for case-control studies of
20 benzene exposure and NHL; cohort studies
21 of benzene exposure and NHL; cohort
22 studies of refinery work and NHL.

23 Next, I adjusted the relative
24 risks that's similar to what Steinmaus

1 did in Tables 3 and 4 based on the all
2 cancer SMR. And then I compared papers
3 that Steinmaus either referenced, cited,
4 or not referenced in Smith. Smith's
5 paper had a lot of papers that he cited
6 in his tables but didn't give references,
7 whereas if I say referenced that means
8 that Smith gave a reference with a
9 number.

10 And there were some papers in
11 Steinmaus but not in Smith, and there
12 were some papers in Smith that were not
13 in Steinmaus.

14 BY MR. DuPONT:

15 Q. And where do you provide your
16 analysis of any design bias in the studies or any
17 data collection bias in the studies?

18 A. I don't think I mentioned design
19 bias per se. On Pages 4 through 6, I note where
20 I agreed with what Steinmaus took out of the
21 paper in terms of numbers and then when I
22 disagreed.

23 Q. But I want to talk about design
24 biases specifically for these various studies.

1 Did you look at and try and ascertain whether
2 there were any design biases for any of these
3 studies?

4 A. If I did it, it was implicit, not
5 explicit.

6 Q. What do you mean by that?

7 A. I didn't go into it with that
8 term in my mind.

9 Q. What types of design biases are
10 there that you would be looking for?

11 A. I don't know. I didn't do it. I
12 haven't thought about it. I don't want to make
13 up something on the spur of the moment.

14 Q. So you didn't look at this
15 literature that you cited in your analysis of
16 Steinmaus for any design biases?

17 A. When I go through literature, the
18 first thing I do is, as I said before, if I feel
19 it's a paper that is of no value, I exclude it as
20 a design bias. But I don't keep a list of such
21 papers. Otherwise, if the paper is of reasonable
22 scientific merit, I include it. There still may
23 be design biases in it but I don't discuss them
24 when I summarize the literature.

1 Q. So is there any way for me to
2 know which studies you excluded because of a
3 design bias as opposed to for some other reason?

4 A. No, I wouldn't say there were a
5 lot.

6 Q. Is there any way for me to know
7 which ones you excluded?

8 A. No.

9 Q. Did you find design biases in any
10 of the studies that you included in your
11 evaluation of Steinmaus?

12 A. As I say, I didn't look for
13 design bias.

14 Q. How about data collection biases,
15 did you evaluate any of the studies that you
16 analyzed with respect to the Steinmaus report for
17 data collection biases?

18 A. Not by the authors.

19 Q. What do you mean by that?

20 A. I didn't look to see whether an
21 author, whether there was data collection bias in
22 the paper itself.

23 Q. You mean the Steinmaus paper
24 itself?

1 A. No, the paper that Steinmaus
2 cited.

3 Q. What are the relevant design
4 biases that an epidemiologist would consider in
5 evaluating literature?

6 A. As I said, I haven't considered
7 that at all in this report and I don't want to
8 make things up on the spur of the moment.

9 Q. I understand that. Putting this
10 report aside, generally, what are the various
11 design biases that an epidemiologist would want
12 to consider when analyzing the literature?

13 A. Mainly, the most common one is
14 studies where an author selects people who have
15 both exposure and the disease and then mills a
16 study around it which guarantees an association.

17 Q. And what's the term for that type
18 of bias?

19 A. Selection bias.

20 Q. Any other forms of design biases?

21 A. There's observation bias where
22 either the disease is misclassified because of
23 knowledge of the exposure or the exposure is
24 misclassified because of knowledge of the

1 disease. Then there is a term "confounding"
2 which is not a design bias but which is a
3 characteristic of all scientific studies.

4 Q. Any other design biases besides
5 selection?

6 A. Those are the three that I use.

7 Q. How about data collection biases?

8 A. I included that in what I was
9 just saying.

10 Q. So data collection bias is a part
11 of --

12 A. Design bias would be selection
13 bias, data collection bias, and there would be
14 observation bias.

15 Q. What about Healthy Worker Effect,
16 where does that fall?

17 A. I call it confounded. Other
18 people call it selection bias, and I disagree
19 with them.

20 Q. Why do you call it confounding?

21 A. Because it's a characteristic of
22 the individuals in the population and there is a
23 potential for having knowledge of both health
24 status and disease outcome and, therefore, you

1 have the ability to control for it.

2 Q. Did you do anything to determine
3 how classification of disease may have influenced
4 the findings?

5 A. No.

6 Q. Did you do anything to determine
7 how classification of exposure in the various
8 articles cited and referenced by Steinmaus
9 influenced the findings?

10 A. No.

11 Q. If you go to Page 12 of the
12 evaluation of Steinmaus that you've done?

13 A. All right.

14 Q. Under Title B, Evaluation of
15 Papers Cited by Steinmaus, and then No. 1,
16 Citations in Table 2, you say you disagree with
17 eight of the authors' citations from these 16
18 papers. You say two of the papers include
19 solvents other than benzene.

20 A. Right.

21 Q. Why do you disagree with their
22 use of those studies that include solvents, as
23 you characterize them, to be other than benzene?

24 A. It doesn't say that it's benzene

1 that's in the paper. One says all solvents.

2 Q. Did you do anything to determine
3 whether or not benzene was in the solvents that
4 the papers were referring to?

5 A. No.

6 Q. Did you do anything to determine
7 that benzene was not in the solvents the paper
8 was referring to?

9 A. No. I read the paper and if it
10 said -- I read the paper. And if it didn't say
11 this is exposure to benzene, I wouldn't include
12 it.

13 Q. So am I correct in that what
14 you're doing here in your evaluation of Steinmaus
15 is you're evaluating the paper and either
16 agreeing or disagreeing with the authors on, A,
17 whether they chose to include a particular study
18 or, B, how they characterized the study?

19 A. Yes, which includes abstracting
20 data from the study.

21 Q. Lymphosarcoma, by the way, that's
22 a form of NHL?

23 A. Yes.

24 MR. DuPONT: Why don't we take a

1 break for lunch?

2 As a matter of housekeeping,
3 Doctor, this is the last portion of your
4 file that hasn't been marked and I'll
5 mark it as Exhibit-G. This is the
6 May 29, 2008 e-mail from Ms. JoAnn
7 Niedens on behalf of Louis C. Woolf to
8 yourself enclosing the report of Paul
9 Roda, report of Dr. Robert Laumbach. And
10 then there are two articles, the Mehlman
11 2004 article from European Journal of
12 Oncology, and Dr. Peter Infante's article
13 Benzene: An Historical Perspective on
14 the American and European Occupational
15 Setting.

16 BY MR. DuPONT:

17 Q. Do these two articles go with
18 Exhibit-G in that they're articles cited by Dr.
19 Roda and Dr. Laumbach?

20 A. Yes.

21 Q. Then we'll group those with
22 Exhibit-G. So then do we have the entirety of
23 your file here?

24 A. Yes.

1 - - -

2 (Whereupon the document was marked, for
3 identification purposes, as Monson-G.)

4 - - -

5 BY MR. DuPONT:

6 Q. Do you have invoices that you
7 prepared for your work to date?

8 A. Once it's paid, I throw it away.
9 I know I have at least one because it hasn't been
10 paid.

11 Q. If I could ask you to provide
12 that to your counsel and he can give me a copy of
13 that, I would appreciate it?

14 A. Just to be clear, which invoices
15 are you talking about?

16 Q. That's a good point, your invoice
17 for your work on the Salmieri case.

18 A. Fine.

19 Q. I'd also like invoices for your
20 work in preparing your evaluation of the paper by
21 Steinmaus and Smith that we've marked as
22 Monson-A.

23 A. Is that acceptable to Mr. Woolf?

24 MR. WOOLF: He'll just ask you

1 and then I'll take care of whether it's
2 acceptable or not. I'll let you know.

3 THE WITNESS: Fine.

4 BY MR. DuPONT:

5 Q. And additionally, with respect to
6 your requests to prepare this evaluation of
7 Steinmaus and Smith, were there any written
8 communications that set forth for you what it was
9 that Mr. Woolf wanted?

10 A. If there were, I don't have them.

11 Q. If there are any, if I could ask
12 you to provide those to your counsel as well?
13 I'd appreciate it.

14 A. Fine.

15 - - -

16 (Whereupon there was a recess in the
17 proceedings from 12:45 to 1:39.)

18 - - -

19 BY MR. DuPONT:

20 Q. Could you turn to your Summaries
21 and Conclusions starting on Page 12 with respect
22 to the Steinmaus?

23 A. Okay.

24 Q. First of all, do you agree that

1 the study power of an epidemiology study is
2 higher when the relative risks are higher?

3 A. Power is generally used to --
4 when it's used in an epi study, it's used to
5 decide what theoretical relative risk could be
6 observed so that it's statistically significant.
7 It's a predictive thing, not a descriptive thing.
8 Do you understand the difference?

9 Q. Right. Go ahead.

10 A. So that the way one states it is
11 that, if in fact there is an association in the
12 data irrespective of causality, we're just
13 talking about data now, if there is in fact a
14 relative risk greater than one, if the relative
15 risk is higher, it is more likely to be found to
16 be statistically significant than if the relative
17 risk is lower. I'm talking about relative risk
18 above one now.

19 Q. Right.

20 A. So, in that sense, statisticians
21 say something like you said that the study has
22 more or only has the power to detect a relative
23 risk of whatever, say five. It doesn't have
24 enough power to detect one of three, even though

1 they may observe a relative risk of three.

2 Q. But, generally, the higher your
3 relative risk gets, there's a corollary that the
4 higher the power the study is?

5 A. Again, I want to be careful about
6 prediction versus description. The confidence
7 interval is the description of the data, whereas
8 the power is something that's used in designing
9 studies.

10 Q. Right.

11 A. It's not used in interpreting
12 studies.

13 Q. Independent of classifying
14 whether it's descriptive or interpretative --

15 A. Predictive. Interpretative is
16 entirely different.

17 Q. Independent of the
18 classifications, would you agree with me that
19 when you see studies with higher relative risks
20 that studies also have a higher power?

21 A. I don't use that type of
22 statement. That's a description of a study
23 that's already been done. Power is used before
24 the study is done.

1 Q. Regardless of whether you use
2 that type of statement, is that true --

3 A. If I don't use it, it's
4 irrelevant. I don't comment on that situation.

5 Q. How can you say it's irrelevant
6 just because you don't comment on it?

7 A. Because I think it's incorrect to
8 say that.

9 Q. So you think it's incorrect that
10 when you have a higher relative risk there's
11 usually a corollary that the study power is
12 higher as well?

13 A. You stated that differently than
14 you did before. See, I don't use the term
15 "power" hardly at all. I don't want to go beyond
16 that. I don't want to make something up.

17 Q. What do you use instead of
18 "power"?

19 A. Confidence interval.

20 Q. Would it then be true that the
21 higher relative risks are found in a study the
22 narrower confidence intervals are?

23 A. No. Confidence intervals has to
24 do with both the relative risk and the size of

1 the study.

2 Q. Do you agree with the proposition
3 that higher relative risks are less likely to be
4 due to confounding factors?

5 A. I've written that, so I agree
6 with it in general. It's not an absolute
7 statement.

8 Q. Do you agree that studies where
9 you have an internal comparison, such as nested
10 case-control studies, that those types of studies
11 are not subject to the Healthy Worker Effect?

12 A. In general, yes.

13 Q. Turning to your paper under
14 Summaries and Conclusions on Page 12, you say
15 that the authors' methods do not accord with
16 methods recommended by other authors. In other
17 words, Steinmaus, their methods don't accord with
18 methods recommended by other authors. Do you see
19 that at the bottom of the second paragraph under
20 Comment?

21 A. Yes.

22 Q. Which authors are you referring
23 to? Which other authors are you referring to?

24 A. Bottom of Page 2, Checkoway, et

1 al.; top of Page 3, Breslow and Dade and
2 Greenberg, et al., as well as Checkoway again.

3 Q. How are the Steinmaus methods
4 different than those recommended by Checkoway and
5 Breslow?

6 A. The specific issue had to do with
7 how one measures exposure and all the papers -- I
8 shouldn't say all. Many of the papers use
9 different methods.

10 You can use peak exposure. You
11 can use duration of exposure. You can use
12 average exposure. You can use length of work.
13 Anyway, there's different ways to describe
14 exposure which industrial hygienists use. But
15 the standard way and the one that these authors
16 recommend is use of what I said, PPM years, which
17 is in essence cumulative exposure. And that's
18 what I used.

19 Q. So one of your disagreements with
20 Steinmaus is that they do not use or they do not
21 solely use cumulative exposures?

22 A. That's right. They seem to pick
23 the one that they like the best.

24 Q. And you believe that Checkoway

1 and Breslow in their articles recommend the use
2 of cumulative exposures?

3 A. Yes.

4 Q. Then you say that the rules used
5 by Steinmaus are arbitrary. Do you see that
6 under Results on Page 12 of your report?

7 A. Yes.

8 Q. Which rules do you believe
9 Steinmaus used that are arbitrary?

10 A. There's, as I said, there's a
11 fair amount of, fair number of papers that
12 Steinmaus either uses that Smith doesn't or vice
13 versa and it's not clear why. So, if it's not
14 clear, it's arbitrary as far as I'm concerned.

15 Q. So, because you don't understand
16 why they selected, Steinmaus selected to use
17 certain papers that Smith either did or did not
18 use, that's what you believe is arbitrary about
19 the rules?

20 A. Yes. Some of them clearly were
21 incorrect in Smith. Steinmaus was correct in not
22 including them for a variety of reasons. But
23 there are others that it wasn't clear why they
24 were in Steinmaus, and that's on Page 11.

1 Q. So which are the papers that you
2 believe it's not clear why Steinmaus decided to
3 use them?

4 A. I can't. I don't have that
5 listed and I don't remember.

6 Q. Besides selecting papers to use
7 without clear reasons for doing so, are there any
8 other rules used by Steinmaus that you believe
9 are arbitrary?

10 A. There were two things I pointed
11 out, one is the one-sided P value, but that
12 doesn't enter into any of what I did so we'll
13 just let it go. I don't believe in one-sided P
14 values.

15 The other thing is the Healthy
16 Worker Effect. I would say it's generally
17 accepted that the Healthy Worker Effect for
18 cancer is less than that for all causes and
19 that's just safer -- for example, if the Healthy
20 Worker Effect for all causes is .8, then for
21 cancer it would be .9. It's just to illustrate
22 what I mean.

23 Q. Okay.

24 A. So that one should really correct

1 for it comparing it to the SMR for all cancer
2 rather than for all causes.

3 Q. And why is that? What's your
4 basis --

5 A. Because if you got a cancer, you
6 should compare it to other cancers. Most of the
7 Healthy Worker Effect is due to non-cancers which
8 can be detected at the time people start working,
9 whereas cancer cannot be predicted at that time.

10 Q. Isn't the Healthy Worker Effect
11 the result of a judgment of a person when they
12 first start to work?

13 A. The Healthy Worker Effect is an
14 observation that studies of workers compared to
15 general population shows a lower than expected
16 mortality. And that is because of selection at
17 the time people search for it. But it's
18 different for different causes.

19 Q. Do you have a greater power or
20 greater ability to determine the Healthy Worker
21 Effect by looking at all causes as opposed to
22 cancers because there are more cases of all
23 causes than there are of cancers?

24 A. No. All causes includes diseases

1 that, as I said, people tend to develop before
2 they're say age 20, which is sort of an average
3 year of starting work; chronic respiratory
4 disease, chronic GI disease, chronic cardiac
5 disease. Many chronic diseases that develop in
6 younger people lead to the Healthy Worker Effect.

7 Q. I guess my question is: If
8 you're looking at two populations, you're looking
9 at cancers and you're looking at all causes of
10 death?

11 A. Those aren't two populations.
12 Those are two types of outcome.

13 Q. Right. If you're looking at a
14 group of workers and trying to determine whether
15 or not there's a Healthy Worker Effect, those
16 workers who died of cancer, there's going to be
17 less of those workers dying of cancer than there
18 are going to be of workers dying of all causes,
19 correct?

20 A. Yes.

21 Q. So that you have a greater power
22 to determine the Healthy Worker Effect by looking
23 at all causes?

24 A. You don't use it in that context.

1 You use the confidence interval. You'd have a
2 narrower confidence interval for all causes than
3 for cancer, but you'd have a higher relative risk
4 that's closer to 1 for cancer than for all
5 causes. So they work in opposite directions, so
6 I can't answer the question.

7 Q. You also say the data selected by
8 Steinmaus is arbitrary?

9 A. That's where I'm talking about
10 the various measures of exposure to benzene. In
11 a paper where there are different ways of doing
12 it, he tends to pick the one that gives the
13 highest relative risk.

14 Q. Have you reviewed any literature
15 that suggests that peak exposures and other
16 models of benzene exposure are more important in
17 the causation analysis --

18 A. I'm aware that such literature
19 exists, and I'm sure I've read snip-its of it.

20 Q. Do you disagree?

21 A. I'm not convinced that there's a
22 strong case that can be made for it.

23 Q. But do you disagree that that's
24 true?

1 A. I don't disagree and I don't
2 agree. I'm aware that some people hold that
3 view, and I believe that it differs for different
4 chemicals or physical agents.

5 Q. By the way, have you ever
6 testified in tobacco litigation?

7 A. No.

8 Q. Have you done any work in the
9 context of tobacco litigation?

10 A. I wrote a report once for a
11 tobacco lawyer. He didn't like it.

12 Q. Going back to Page 12, under
13 Title B, Evaluation of Papers Cited by Steinmaus,
14 et al., Subpart 1, Citations of Table 2, we
15 discussed the two papers that includes solvents
16 other than benzene. Which two papers are those
17 you're referring to?

18 A. Sorry, I lost my place.

19 Q. Page 12.

20 A. No, but I refer to one of the
21 tables.

22 Q. I'm sorry.

23 A. Citations in Table 3, so it's
24 Page 5 -- that's not correct. I'm sorry, which

1 question did you just ask me?

2 Q. There's Citations in Table 2.

3 A. Okay, 2. You say two of the
4 papers includes solvents other than benzene.

5 Q. My question is which papers are
6 you referring to?

7 A. Yes, Dryver and Franceschi.

8 Q. What are the solvents that are
9 included in Dryver?

10 A. Aromatic, all aromatic.

11 Q. Aromatic solvents containing
12 benzene. Is that correct?

13 A. Benzene is an aromatic solvent.

14 Q. Do aromatic solvents contain
15 benzene?

16 A. Some do and some don't. If the
17 classification is all aromatic solvents, then
18 benzene would be included.

19 Q. Do you recall how Dryver defines
20 aromatic solvents?

21 A. No.

22 Q. Incidentally, did Dryver find an
23 increased risk of NHL from exposure to gasoline?

24 A. I don't know, unless it's in my

1 other report. It's not in this report. On NHL,
2 I don't know.

3 Q. Look at Table 2 of the Dryver
4 study. I point your attention to the category
5 for Gasoline Greater Than Five Years Versus Less
6 Than Five Years. You find an OR of 1.92.

7 A. Okay.

8 Q. Is that correct?

9 A. Yes.

10 Q. And that's statistically
11 significant?

12 A. Yes.

13 Q. Why do you disagree with the
14 selection of Franceschi?

15 A. Again, it says all solvents.

16 Q. What type of solvents were being
17 used in the Franceschi study?

18 A. I don't know.

19 Q. Which study from this Table 2 do
20 you say was used in a misleading way?

21 A. Is there only one?

22 Q. I believe you say in your
23 commentary that there was one.

24 A. I say two.

1 Q. Do you? Then I apologize.

2 A. Fritschi 2005, she reported a
3 relative risk of 0.3 based on two cases with
4 substantial exposure. But if you look at all
5 exposure, you get a relative risk of 1.1. So the
6 substantial group is so small to be meaningless.

7 And your other one that's
8 misleading is Glass. There's no dose response
9 relationship, and when you do a post hoc division
10 of PPM years, you usually cut the line where the
11 data leads you to and that's not appropriate.

12 Again, if you use all 20 cases,
13 the odds ratio is .9. If you use only two cases,
14 it's 1.5. It's a similar criticism to Fritschi.

15 Q. You say that there are cases that
16 are incorrectly cited out of this Table 2.

17 A. Fabbro-Peray, I say not final
18 analysis. I don't know specifically. I think
19 that probably corrected for confounding or
20 something.

21 Schnatter, it's hard for me to
22 decipher exactly what I meant. But it didn't
23 change relative risk very much, .9 to .8. Even
24 that, that's a guess.

1 And in Seidler, there were
2 actually 12 cases and not 11. There's one other
3 case that was sort of in another table. Again,
4 it doesn't change the relative risk
5 substantially.

6 Q. So, in Seidler, there are
7 12 cases because there's one case reported in a
8 separate table?

9 A. It's either in a separate table
10 or a separate section, yes. There is the 11 but
11 then there's one somewhere else and you just
12 missed it.

13 Q. Anything else?

14 A. No.

15 Q. Then you have a discussion of
16 Citations in Table 3 and you say you disagree
17 with two of the citations. Those would be the
18 Bloeman 2004 and Wong 1987?

19 A. Right.

20 Q. Why do you disagree with Bloeman?

21 A. It's highly selected data of
22 15-years lag. The Bloeman citation is based on
23 two cases with a 15-year lag with exposure
24 greater than 28 PPM years, which gives a relative

1 risk of 2.2. But if you use all three cases and
2 no selection in terms of follow-up, you get a
3 relative risk of .7. So major differences based
4 on very small numbers.

5 Q. Why do you disagree with Wong?

6 A. It's based on only one case. And
7 Steinmaus states the relative risk is 1.5, but in
8 Table 11 on Wong, the relative risk is 0.7. And
9 I can't go beyond that. That's what I wrote.

10 Q. You say in Citations in Table 4
11 you disagree with eight of the citations. The
12 first is Collingwood 1996. That's at the
13 Paulsboro, New Jersey refinery?

14 A. Right. Again, there's only two
15 cases and you can pick and choose. Steinmaus
16 used Table 7 and I used Table 5, which I assume I
17 thought was a more relevant table.

18 Q. Why is that? Why did you use 5
19 instead of 7?

20 A. I don't know. It's the same
21 number of cases and it doesn't change the
22 relative risk that much. But I didn't go through
23 these papers at all in preparation for this, so I
24 don't know.

1 Q. You disagree with the Dagg 1992
2 El Segundo and Richmond studies?

3 A. Right, superceded my Satin.

4 Q. So, since Satin later published
5 on the same cohorts, --

6 A. Right.

7 Q. -- you disagree with their use?

8 A. Right.

9 Q. You disagree with the use of the
10 Gun 2006?

11 A. Only because they used mortality
12 and not incidence.

13 Q. Why is that?

14 A. Incidence, if you have it, it's
15 better information because it includes non-fatal
16 cases.

17 Q. Next is Thomas 1982.

18 A. Right. This is one of a whole
19 bunch of studies that were superceded by later
20 papers.

21 Q. You say disagree, data likely
22 included in Divine and Satin?

23 A. Right.

24 Q. What makes you think it was

1 likely included?

2 A. There's other papers that,
3 there's at least two other papers that I didn't
4 put in because I don't think Steinmaus did. But
5 they were members of the OCAW, Oil, Chemical and
6 Atomic Workers. They were case-control studies.
7 And they were in companies that were later, in
8 which cohort studies were later conducted during
9 the same time period.

10 Q. What information do you have to
11 show you that the Thomas data was included in
12 Divine and Satin?

13 A. As I say, I believe they belong
14 to the union in the plants that were studied by
15 Satin and by Divine.

16 Q. And then next is Tsai 1993. Is
17 that the same reason? You say you disagreed
18 because it did not include the Wilmington plant.

19 A. Yes. I guess Martinez is one
20 plant and Wilmington is another. And the paper
21 includes both plants, but Steinmaus only picked
22 the one where there was slight excess.

23 Q. And then the last two that you
24 disagree with is Wong 2001a and Wong 2001b. And

1 A related to Beaumont and B to Torrance.

2 A. Here, Steinmaus chose to put in
3 people who had been exposed for 30 or more years
4 which gave higher relative risk than those. I
5 tried to include all data in these tables.

6 Q. When you say three citations from
7 this table are outdated, are you referring to the
8 two Dagg and the Thomas 1982 which you believe
9 were superceded?

10 A. I think that's right, yes.

11 Q. When you say four are cited in an
12 arbitrary and misleading manner, which ones are
13 you referring to?

14 A. What page are you on now?

15 Q. Still under discussion of
16 Citations in Table 4.

17 A. So you're up here?

18 Q. Page 13.

19 A. Yes, I see. So Collingwood would
20 be arbitrary, Gun and the two Wong papers.

21 Q. One of the main things you focus
22 on is there's six papers on NHL not included by
23 Steinmaus that were apparently included in Smith,
24 and you point to the appendix on the Smith

1 evaluation to identify which papers those were.

2 Which appendix are you referring to?

3 A. It would be Appendix A of this
4 report. I only have -- did I get this from you
5 or I got this from Lou? You should have the
6 appendix. It starts with A. It would be Page A1
7 or A16; I'm not sure.

8 Q. Let me hand back Exhibit-A to you
9 and ask you to refer me which appendix you're
10 referring to.

11 A. That was a separate report that I
12 included in the appendix to this report because
13 the whole thing is very confusing.

14 Q. Which of the six studies that you
15 say were not included within Steinmaus that were
16 included within Smith?

17 A. I can just read these.

18 Q. What page are you reading from?

19 A. On Table 8.

20 What I'm questioning is you say
21 that these were included in Smith and I just want
22 to make sure that that's correct. Where do you
23 read that?

24 Q. If you look to the last page of

1 your report or your summary of Steinmaus above
2 where you give conclusions, --

3 A. Right.

4 Q. -- under Comment, it says of
5 special interest are the six papers cited by
6 Smith but not mentioned by Steinmaus.

7 A. Okay.

8 MR. WOOLF: If you guys will look
9 at Page 11, it's talking about the same
10 six studies.

11 MR. DuPONT: Okay.

12 THE WITNESS: I guess that's it
13 then, okay, because there's another table
14 with six studies and that's on Page 8 and
15 they're the same six studies. Okay, so
16 we're clear on that.

17 MR. WOOLF: No, they're not.

18 THE WITNESS: They're not?

19 MR. WOOLF: No. If you'll turn
20 to Page 11?

21 THE WITNESS: I'm turning to Page
22 8 and Page 5. They're the same.

23 MR. WOOLF: Yes.

24 THE WITNESS: Thank you.

1 BY MR. DuPONT:

2 Q. So the six studies listed on Page
3 5 and the six studies listed on Page 8 are the
4 studies you claim were omitted by --

5 A. That's what I'm uncertain about.

6 MR. WOOLF: Dr. Monson, if you
7 look at Page 11 of the critique and
8 refresh your recollection?

9 THE WITNESS: Thank you. That's
10 the different six studies. Thank you
11 very much.

12 All right, so these would be the
13 papers that are not included in Steinmaus
14 but are included in Smith on Page 11.

15 BY MR. DuPONT:

16 Q. And why do you think they should
17 have been included in Steinmaus or not?

18 A. I didn't say I think they should.
19 I'm saying they weren't even though they were
20 included in Smith. And since Smith is the
21 co-author, obviously they were working together.
22 I don't know why Steinmaus didn't include them.

23 Q. Why do you critique the fact that
24 Steinmaus didn't include them?

1 A. Steinmaus?

2 Q. It is Steinmaus.

3 A. Then I spelled it wrong. Because
4 I think they're relevant papers.

5 Q. Do you disagree with the ATSDR's
6 evaluation that there's suggestive evidence for
7 benzene causing NHL?

8 A. Did I put that in my report?

9 Q. I believe you did.

10 A. I wouldn't have done it in my CLL
11 report, and I don't think it's in this report
12 either.

13 Q. Turn to Page 9 of your report in
14 this case, Salmieri. You quote the ATSDR's 2007
15 toxicological profile for benzene, Page 223,
16 including the quote that there is suggestive
17 evidence of associations between benzene and
18 non-Hodgkin's lymphoma and multiple myeloma. Do
19 you disagree with that statement?

20 A. I agree the ATSDR stated that.

21 Q. Do you agree with their position?

22 A. I wouldn't go so far as to say
23 suggestive evidence. I believe that's an
24 overstatement. I would agree that there are

1 papers that show positive associations. But the
2 weight of the evidence does not support anything
3 beyond saying that some studies show a positive
4 association, some show a negative association and
5 there's no consistency among the studies.

6 Q. So the ATSDR has it wrong but
7 you're right?

8 A. They're not wrong. I'm saying
9 the word "suggestive" is too strong to me.

10 Q. If you were to rank it on a scale
11 of one to ten, what does the term "suggestive"
12 mean with ten being the highest and one being the
13 lowest?

14 A. I don't have any number in mind.

15 Q. But suggestive, you used the word
16 "suggestive" causation is strong?

17 A. I don't quantitate a substantive,
18 a descriptive term.

19 Q. Nonetheless, you believe it's a
20 strong description of the causal association?

21 A. I'm sorry?

22 Q. To say that there's suggestive
23 evidence, you believe that --

24 A. I didn't say it's strong. I said

1 it's stronger than I would say it. I would say
2 it's weak. Suggestive means weak to me. But I
3 would make an even weaker statement.

4 Q. Are you familiar with Lewis' 2003
5 study where he found a relative risk of 1.81 for
6 CLL?

7 A. I'm familiar with several studies
8 by Lewis but I don't have any recollection of the
9 specific ones, if you can show it to me.

10 Q. I will show you the cover page
11 with the citation.

12 MR. WOOLF: What's the year of
13 that?

14 MR. DuPONT: 2003, Lou. It's
15 Mortality and Cancer Morbidity in Cohort
16 of Canadian Petroleum Workers.

17 THE WITNESS: I've seen it but I
18 don't know of any specifics on it.

19 BY MR. DuPONT:

20 Q. Are you able to tell me why you
21 didn't include it within your review?

22 A. It's a petroleum study and I
23 didn't include petroleum studies per se.

24 MR. WOOLF: What was that, Lewis?

1 MR. DuPONT: 2003.

2 THE WITNESS: Occupational and
3 Environmental Medicine, OEM.

4 MR. DuPONT: That's all I have.

5 - - -

6 (Witness excused.)

7 (Deposition concluded at
8 approximately 2:27 p.m.)

9 - - -

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 INSTRUCTIONS TO THE WITNESS

2

3 Please read your deposition over

4 carefully and make any necessary corrections.

5 You should state the reason in the appropriate

6 space on the errata sheet for any corrections

7 that are made.

8 After doing so, please sign the errata

9 sheet and date it.

10 You are signing same subject to the

11 changes you have noted on the errata sheet, which

12 will be attached to your deposition.

13 It is imperative that you return the

14 original errata sheet to the deposing attorney

15 within thirty (30) days of receipt of the

16 deposition transcript by you. If you fail to do

17 so, the deposition transcript may be deemed to be

18 accurate and may be used in court.

19

20

21

22

23

24

1 - - - - -

E R R A T A

2 - - - - -

3 PAGE LINE CHANGE

4 _____

5 _____

6 _____

7 _____

8 _____

9 _____

10 _____

11 _____

12 _____

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 _____

20 _____

21 _____

22 _____

23 _____

24 _____

ACKNOWLEDGMENT OF DEPONENT

I, _____, do

hereby certify that I have read the foregoing
pages, 1 - 125 , and that the same is a correct
transcription of the answers given by me to the
questions therein propounded, except for the
corrections or changes in form or substance, if
any, noted in the attached Errata Sheet.

DATE

SIGNATURE

Subscribed and sworn
to before me this

day of _____, 20__ .

My commission expires:

Notary Public

1
2 CERTIFICATE

3
4
5 I HEREBY CERTIFY that the witness
6 was duly sworn by me and that the deposition is a
7 true record of the testimony given by the
8 witness.
9

10
11
12
13 Kimberly S. Gordon, a
14 Registered Professional Reporter,
15 Certified Court Reporter
16 and Notary Public

17 Dated: SEPTEMBER 22, 2008
18
19

20 (The foregoing certification of this
21 transcript does not apply to any reproduction of
22 the same by any means, unless under the direct
23 control and/or supervision of the certifying
24 reporter.)

LAWYER'S NOTES

1			
2	PAGE	LINE	
3	_____	_____	_____
4	_____	_____	_____
5	_____	_____	_____
6	_____	_____	_____
7	_____	_____	_____
8	_____	_____	_____
9	_____	_____	_____
10	_____	_____	_____
11	_____	_____	_____
12	_____	_____	_____
13	_____	_____	_____
14	_____	_____	_____
15	_____	_____	_____
16	_____	_____	_____
17	_____	_____	_____
18	_____	_____	_____
19	_____	_____	_____
20	_____	_____	_____
21	_____	_____	_____
22	_____	_____	_____
23	_____	_____	_____
24	_____	_____	_____