

IN THE CIRCUIT COURT OF JACKSON COUNTY, MISSOURI
AT INDEPENDENCE

JUSTIN DETEL,)
)
Plaintiff,)
)
-vs-) Case No. 04 CV 207637
) Division 16
BP CORPORATION NORTH AMERICA)
INC., et al.,)
)
Defendants.)

THE DEPOSITION OF DAVID PYATT, PhD, produced,
sworn and examined on the part of the Defendants, pursuant
to Notice to Take Deposition Duces Tecum, on Thursday, the
21st of September, 2006, at the offices of 2115 Thirteenth
Street, in the City of Boulder, and State of Colorado,
before me,

BARBARA A. GRIFFITH, CSR, CCR No. 206
of
JOHN M. BOWEN & ASSOCIATES

a Certified Court Reporter, the character of the Notary
having been waived in a certain cause now pending in the
Circuit Court of Jackson County, Missouri, wherein JUSTIN
DETEL is Plaintiff and BP CORPORATION NORTH AMERICA INC.,
et al., are Defendants.

APPEARANCES:

For Plaintiff:

THE WALTERS LAW FIRM, LLC
105 East 5th Street
Suite 401
Kansas City, Missouri 64106
(816) 472-1400
lwalters@walterslaw.com
ccipolla@walterslaw.com
By: Mr. Lon Walters
Ms. Christin Cipolla

Draft Copy

1 For Defendants:

2 MARON MARVEL BRADLEY & ANDERSON, PA
3 1201 North Market Street, Suite 900
4 P.O. Box 288
5 Wilmington, Delaware 19801
6 (302) 425-5177
7 cmceldow@mm-w.com
8 By: Ms. Cathy McEldowney

6 ***

7 I N D E X

8 Examination by Mr. Walters Page
9 3

9 ***

10 E X H I B I T I N D E X

11 Pyatt Exhibit No. Idfd

12	1 - List of materials reviewed.....	5
13	2 - Diagram Portraying Cell Lineage from Stem Cell Formed in Bone Marrow.....	34
14	3 - "A Case-Control Study of Leukemia, Non-Hodgkin's Lymphoma and Multiple Myeloma Among Employees of Union Oil Company of California," by Delzell....	61
15	4 - "Leukaemia, Lymphoma, and Multiple Myeloma in Seamen on Tankers," by Nilsson.....	63
16	5 - "API Toxicological Review, Benzene".....	81
17	6 - "A Population-Based Case-Control Study of Childhood Leukemia In Shanghai, by Shu.....	89
18	7 - "Parental Occupation and Other Factors and Cancer Risk in Children: II. Occupational Factors," by Smulevich.....	93
19	8 - "Pesticide Exposures in Children with Non-Hodgkin Lymphoma," by Buckley.....	97
20	9 - 9/1/06 Pyatt Invoice.....	103

25 (Exhibits attached)

Draft Copy

1 DAVID PYATT, PhD,
2 a witness, being first duly sworn, testified upon his
3 oath as follows:

4 EXAMINATION

5 BY MR. WALTERS:

6 Q. Would you state your name, please?

7 A. David Pyatt.

8 Q. And you have been identified as an expert for BP in
9 the case of Justin Detel, is that your understanding?

10 A. Yes.

11 Q. Have you brought with you today, over here against
12 the wall, all the file materials that you've relied
13 on in this case?

14 A. That's the bulk of them.

15 Q. All right. Is there anything else specifically, and
16 I'm not talking about sort of --

17 A. General library?

18 Q. Correct. Is there anything else that you didn't
19 bring with you, that you think is relevant to your
20 opinions here today?

21 MS. McELDOWNNEY: Object to the form.

22 A. I'm not sure that it's relevant to my opinions, but
23 it is relevant to my opinions of Dr. Landolph's
24 deposition, where he referred to a Norseth case, or a
25 Norseth publication, that I tracked down, and it as a

Draft Copy

1 rubber worker study. And I have 20, 25 other rubber
2 worker studies that are in a binder, and I have not
3 brought that today.

4 Q. (BY MR. WALTERS) Okay.

5 MS. McELDOWNNEY: And I'm sorry, for the
6 record, Lon, if you want, we can produce those to you
7 separately, if you want a copy of those.

8 And one other thing. Despite the fact that
9 your notice didn't require Dr. Pyatt to bring any
10 documents or files, he did bring with him today those
11 documents that he has reviewed and/or relied upon for
12 purposes of the work in the Detel case.

13 What we did not bring were the seven volumes
14 of medical records. We didn't think you'd need to
15 see those. But those are documents that he has
16 reviewed. Along with some other -- fact witness
17 depositions and some site documents that you
18 otherwise would have had copies of or were otherwise
19 produced by your experts.

20 MR. WALTERS: Okay.

21 Q. (BY MR. WALTERS) Now, tell me more about the Norseth
22 study, you say, that you went and tracked down.

23 A. What would you like to know about it.

24 Q. What is it? What made you go look for it, I guess.

25 A. I didn't recognize it when Dr. Landolph mentioned it

Draft Copy

1 in his deposition. I had seen it, but I -- I didn't
2 recognize it right away. So I wanted to see what it
3 was that he was referring to.

4 Q. All right. Now, you have also reviewed the medical
5 records of Justin Detel, is that correct?

6 A. Yes.

7 Q. Have you -- what depositions in this case have you
8 reviewed --

9 A. You know, I'm going to -- I have a list of things.
10 So I don't leave any off, if you don't mind, I'm
11 going to get that.

12 Q. Sure.

13 A. And you can certainly have it.

14 Q. Why don't we go ahead and mark that as Exhibit 1.

15 A. Okay.

16 (Whereupon, Pyatt Exhibit No. 1 was marked
17 for identification.)

18 Q. (BY MR. WALTERS) Is Exhibit 1, here, a complete list
19 of the things that you've reviewed in formulating
20 your opinions in this case?

21 A. Yes.

22 Q. What were you asked to do in this case?

23 A. I was asked to evaluate the literature, based on my
24 understanding and experience, and render an opinion
25 as to whether I believed Justin Detel's non-Hodgkin's

Draft Copy

1 lymphoma could have resulted from his proximity to
2 the refinery in Sugar Creek.

3 I was also asked to review the depositions
4 and reports of plaintiff experts, particularly
5 Dr. Landolph and Dr. Dahlgren.

6 Q. And have you done all of those things and are ready
7 to give your opinions in this case?

8 A. Yes.

9 Q. Now, you said that you were asked to evaluate the
10 literature to see whether or not Justin's
11 non-Hodgkin's lymphoma resulted from benzene
12 exposure, is that a correct assessment?

13 MS. McELDOWNY: Object to the form. That's
14 not what he said.

15 A. Not -- not just benzene exposure. I mean, that
16 seemed to be the primary allegation, as I understood
17 it, so I did focus on benzene. But I also evaluated
18 the literature associating workers with exposure to
19 organic solvents as a general class, refinery worker
20 studies, petroleum exposures, any -- any literature
21 that I could find that I felt was relevant to any
22 potential exposures that someone could have.

23 Q. (BY MR. WALTERS) Did you consider exposure to
24 gasoline?

25 A. Yes.

Draft Copy

1 Q. Did you consider exposure to oil products?

2 A. Yes.

3 Q. And is your opinion regarding whether or not there is
4 an association between any of those chemicals and
5 NHL, confined to what you found in the medical
6 literature?

7 MS. McELDOWNNEY: Object to the form.

8 A. I -- I'm not quite sure I follow your question.

9 Q. (BY MR. WALTERS) Sure. You're not a medical doctor,
10 correct?

11 A. I'm not.

12 Q. And you understand that what we're going to be doing
13 next month, is a trial to decide whether or not, to a
14 reasonable degree of medical probability, chemicals
15 caused Justin's illness. Is that your basic
16 understanding?

17 A. I think that's fair.

18 Q. And so since you're not a medical doctor, you can't
19 render any opinions based on a reasonable degree of
20 medical certainty, true?

21 A. I -- I don't know what "reasonable degree of medical
22 certainty" means.

23 But I would argue that a physician, anyone
24 in the medical profession, would rely on the same
25 scientific evidence that a toxicologist would, to

Draft Copy

1 understand the association or potential association
2 between exposure and disease.

3 Q. Medical doctors also have their training and
4 experience to answer that question, too, don't they?

5 MS. McELDOWNEY: Object to the form.

6 A. I don't know what training or experience a medical
7 doctor could have that would override the scientific
8 evidence in existence.

9 Q. (BY MR. WALTERS) Okay. Well, what if a doctor had
10 treated bunches of kids that lived close to a
11 refinery that all had leukemia, do you think that
12 would be training and experience that would be
13 relevant to answering the question?

14 MS. McELDOWNEY: Object to the form.

15 A. I think it would give the physician an increased
16 experience in treating childhood leukemia. But I do
17 not think that would give the physician any insight
18 as to the etiology of those children's disease.

19 Q. (BY MR. WALTERS) Do you think you, as a PhD, are
20 more qualified to answer the question of reasonable
21 degree of medical probability, than a doctor?

22 MS. McELDOWNEY: Object to the form.

23 If you can answer.

24 A. I'm not able to answer that because I don't know what
25 "reasonable degree of medical probability" means.

Draft Copy

1 But I will, again, state that it doesn't
2 make any difference to me whether it's a physician, a
3 surgeon, a hematologist, a toxicologist, an
4 epidemiologist. The scientific data is what we
5 should all be using to base an opinion of causation
6 on.

7 Q. (BY MR. WALTERS) And that's it, just the medical
8 literature, that's all that anyone should use in
9 forming opinions on reasonable degree of medical
10 probability?

11 MS. McELDOWNNEY: Object to the form.

12 A. As I opposed to what?

13 Q. (BY MR. WALTERS) As opposed to their training, their
14 experience, their understanding of biology.

15 A. Well, I think all of the training and experience and
16 understanding of biology is going to go into their
17 interpretation of the scientific data.

18 But in the absence of scientific data, I
19 don't believe any of those are going to suffice for a
20 basis to render an opinion on causation.

21 Q. Do you think there has to be reported scientific data
22 for anyone to base an opinion about reasonable degree
23 of medical probability?

24 A. Absolutely.

25 MS. McELDOWNNEY: Object to the form.

Draft Copy

1 Q. (BY MR. WALTERS) So what happens if a doctor does a
2 study, and doesn't ever write anything up and get it
3 published, do you think he ought to be able to rely
4 on that data, or is it nonscientific, in your mind?

5 MS. McELDOWNNEY: Object to the form.

6 A. I -- I would have to look at the specific report that
7 you're referring to, to know whether I would consider
8 it nonscientific.

9 Q. (BY MR. WALTERS) Well, do you think a doctor can --
10 do you think it's appropriate for a doctor to use his
11 experience, as a reasonable scientific method for
12 determining causation?

13 MS. McELDOWNNEY: Object to the form.

14 A. In the absence of scientific data, no.

15 Q. (BY MR. WALTERS) So if I understand you correctly,
16 you cannot render any opinions on whether or not, for
17 example, a chemical causes a certain illness, unless
18 someone has submitted a paper for peer-review
19 publication?

20 MS. McELDOWNNEY: Object to the form. That's
21 not what he said.

22 A. You're going to have to reword -- or re-ask that
23 question.

24 Q. (BY MR. WALTERS) Sure.

25 M. WALTERS: Could you read it back,

Draft Copy

1 please, Barb.

2 (Whereupon, the following portion of the
3 record was read by the reporter:

4 "Question: So if I understand you
5 correctly, you cannot render any opinions on whether
6 or not, for example, a chemical causes a certain
7 illness, unless someone has submitted a paper for
8 peer-review publication?")

9 MS. McELDOWNEY: Same objection.

10 A. Unless a chemical causes a certain illness? I would
11 fall back on the same answer that I've given you
12 before, that in the absence of scientific data, it's
13 going to be impossible to link those two.

14 Q. (BY MR. WALTERS) All right.

15 A. Now, whether or not it has to be peer-reviewed
16 publication. But in the absence of any scientific
17 data, someone saying, "I've seen this association,
18 and therefore it's true," in my mind, is not
19 scientifically valid.

20 Q. Do you know whether or not that's the standard for
21 determining reasonable degree of medical probability?

22 MS. McELDOWNEY: Object to the form.

23 A. In my view, reasonable degree of medical probability
24 is a legal term, and I do not know what your criteria
25 is for that distinction.

Draft Copy

1 Q. (BY MR. WALTERS) Now, this isn't the first illness
2 from Sugar Creek that you've been asked to render an
3 opinion on, correct?

4 A. That is incorrect.

5 Q. Well, were you aware that you were identified in a
6 case that was set for trial last July by BP, the Bond
7 case? Does that sound familiar?

8 A. No.

9 Q. Do you recall being consulted on whether or not
10 benzene from the refinery in Sugar Creek caused a
11 lady's acute myelogenous leukemia?

12 A. No.

13 Q. Do you know why BP would identify you as an expert in
14 that case?

15 A. I'm trying to remember if I ever spoke with anyone
16 about it. And I cannot remember ever talking to
17 anyone about that case.

18 Q. You are of the opinion that benzene can cause acute
19 myelogenous leukemia, correct?

20 MS. McELDOWNNEY: Object to the form.

21 A. I am of the opinion that, under certain exposure
22 conditions, and at high enough concentrations and
23 exposures, benzene has been linked to the development
24 of AML, yes.

25 Q. (BY MR. WALTERS) And there was a time in the

Draft Copy

1 evolution of medical science where that wasn't even
2 considered to be true, correct?

3 MS. McELDOWNNEY: Object to the form.

4 A. I'm not sure how to answer that question.

5 Q. (BY MR. WALTERS) Now, you have given depositions on
6 at least two prior occasions, is that correct?

7 A. Yes.

8 Q. Any more than two?

9 A. One more.

10 Q. All right. You gave testimony for Chevron in a case,
11 correct?

12 A. I will remember it better if you mention the
13 plaintiff and the disease.

14 Q. Wilkinson?

15 A. Yes.

16 Q. All right. And that was an individual who had
17 non-Hodgkin's lymphoma, correct?

18 A. Yes.

19 Q. And the allegation was that benzene was the cause.

20 A. Yes.

21 Q. And you testified for Chevron in that case, true?

22 A. Correct.

23 Q. And then the other case was Radiator Specialty case?
24 Do you recall that case?

25 A. Again, if you could mention the plaintiff.

Draft Copy

1 Q. Stubbs, I think.

2 A. Well, that was really the same deposition. So at
3 that point, they were combined.

4 Q. Okay. And then the Chevron case was Remboldt.

5 A. I actually was -- was representing US Oil in that
6 case. I think there were many defendants. But, yes,
7 I did provide deposition testimony in the Remboldt
8 case.

9 Q. And that also was a case alleging that non-Hodgkin's
10 lymphoma was caused by benzene, correct?

11 A. And/or petroleum products, organic solvents, yes.

12 Q. And what other case have you given deposition
13 testimony on?

14 A. It was Barker vs. Keenan Transportation.

15 Q. Who were the lawyers on that case?

16 MS. McELDOWNNEY: Lawyers for?

17 MR. WALTERS: Anyone.

18 MS. McELDOWNNEY: Which party?

19 Q. (BY MR. WALTERS) How about Keenan Transportation?

20 A. Mr. Robert Gifford.

21 Q. Steptoe & Johnson?

22 A. Correct.

23 Q. And you were testifying for the defendant in that
24 case?

25 A. Yes.

Draft Copy

1 Q. What was the illness in that case?

2 A. AML.

3 Q. Was the allegation that benzene had caused AML?

4 A. The allegation was that gasoline exposure had caused
5 that gentleman's AML.

6 Q. There's benzene in gasoline, correct?

7 MS. McELDOWNEY: Object to the form.

8 A. There are varying degrees of benzene in gasoline,
9 yes.

10 Q. (BY MR. WALTERS) And was your testimony in that case
11 on behalf of the defendant, that the AML was not
12 caused by the exposure?

13 MS. McELDOWNEY: Object to the form.

14 A. My testimony in that case was that the scientific
15 literature evaluating health effects of gasoline does
16 not support an increased risk of AML.

17 Q. (BY MR. WALTERS) All right. Did you also include
18 benzene in that analysis and testimony?

19 A. Well, it was not a benzene case. It was a gasoline
20 case. And I think it's incorrect to assume that the
21 benzene in gasoline is necessarily going to act the
22 same way that pure benzene in the air will act.

23 Q. So it didn't matter to you that there was, in fact,
24 benzene in gasoline. You concluded that he wasn't
25 exposed to benzene?

Draft Copy

1 MS. McELDOWNNEY: Object to the form.

2 A. Well, I -- I would object to the characterization
3 that it didn't matter to me. And I would refer back
4 to my previous answer, that I evaluated all of the
5 scientific evidence that I could, would have
6 associated or looked at the health effects associated
7 with gasoline exposure, and in my view, those were
8 not supportive of an increased risk of AML in those
9 workers.

10 Q. (BY MR. WALTERS) All right. So you looked very
11 specifically at gasoline, as opposed to looking at
12 the body of literature on benzene and AML?

13 A. Well, in this particular case, since there were
14 epidemiological studies conducted on gasoline, I felt
15 that was the most appropriate.

16 Q. Did you make any analysis to determine whether or not
17 the plaintiff in that case, having been exposed to
18 gasoline, had also been exposed to benzene?

19 A. Are you talking about a quantitative analysis?

20 Q. Quantitative, qualitative, any type of analysis.

21 A. Well, I think, by definition, that individual was
22 exposed to benzene from his exposure to gasoline.

23 But I would argue that the epidemiological
24 literature supports that either those people exposed
25 to gasoline do not have a sufficient dose of benzene,

Draft Copy

1 or there are complications in the toxicity of benzene
2 due to the complex nature of gasoline, that it does
3 not increase one's risk of developing AML.

4 Q. So you did consider in that case, that the plaintiff
5 had, in fact, been exposed to some benzene in the
6 gasoline.

7 A. Well, it -- I don't know how to answer that, other
8 than the way I just did.

9 Q. Well, I'm trying to figure out how it is that -- that
10 you're of the belief that benzene can cause AML, yet
11 you're still testifying for defendants, against
12 plaintiffs with AML, who have been exposed to
13 benzene.

14 MS. McELDOWNNEY: Object to the form.

15 A. Well, that's a grossly oversimplification of anyone's
16 opinion on benzene and AML. Even if benzene can
17 cause AML, it's going to be a dose dependent
18 phenomenon, dose relationship, and there are going to
19 be levels of benzene below which you're not going to
20 see an increased risk of AML.

21 So just because benzene causes AML, doesn't
22 mean that every AML is caused by benzene. That they
23 pump gas in their car, and they are exposed to low
24 levels. I don't believe that.

25 Q. That's this idea of a threshold, correct?

Draft Copy

1 A. Well, you can argue a threshold, or not. But even if
2 you don't agree there's a threshold, you're going to
3 have doses below which you're not going to see
4 increased risk.

5 Q. You believe, do you not, that this idea of there
6 being a threshold for exposure to benzene, causing an
7 illness, is a hotly contested issue.

8 MS. McELDOWNNEY: Object.

9 A. I'm not sure I would characterize it as being a hotly
10 contested issue, but I would characterize it as being
11 debated in the scientific community.

12 Q. (BY MR. WALTERS) Well, didn't you write in your
13 paper that the issue, I was using your terms, is
14 hotly contested?

15 A. Not -- if that's my book chapter, then I would need
16 to look at it. But, yes, if you're saying that's
17 what I put in there.

18 Q. "Both sides of the threshold argument have staunch
19 supporters, and currently the issue is hotly
20 contested." That's what you wrote.

21 A. (Nodding head affirmatively.)

22 Q. Do you still stand by that statement, that the idea
23 of there being a threshold is hotly contested?

24 MS. McELDOWNNEY: If you want to look at the
25 paper, you can.

Draft Copy

1 A. Yeah, do you mind if I --

2 Q. (BY MR. WALTERS) Sure. Do you have an extra copy of
3 it?

4 A. I don't. I didn't bring it.

5 But considering you just read from my
6 chapter, yes, I would agree that that's what I wrote.

7 Q. The idea of a threshold is also related to the
8 concept of whether or not there is individual
9 susceptibility, would you agree with that?

10 MS. McELDOWNNEY: I'm sorry. Can you read
11 that question back?

12 (Whereupon, the following portion of the
13 record was read by the reporter:

14 "Question: The idea of a threshold is also
15 related to the concept of whether or not there is
16 individual susceptibility, would you agree with
17 that?")

18 MS. McELDOWNNEY: Object to the form.

19 A. I'm not -- I don't understand your question.

20 Q. (BY MR. WALTERS) Sure. Do you know what a -- do you
21 know what "individual susceptibility" means, in the
22 context of whether or not a chemical causes an
23 illness?

24 A. Yes.

25 Q. And does it mean that there may be some individuals

Draft Copy

1 who are more susceptible to a given toxin, than
2 others?

3 A. I think in a general sense, yes, that's what it
4 means.

5 Q. All right. And that's a recognized scientific and
6 medical principle, that some persons may be more
7 susceptible to toxins than others.

8 A. I think it depends on the toxin and the illness, but,
9 yes, I think that is becoming more and more accepted.

10 Q. And for example, adults versus children, there may be
11 differences in susceptibility.

12 MS. McELDOWNNEY: Object to the form.
13 Susceptibility to any given toxin?

14 Q. (BY MR. WALTERS) In general, to toxins.

15 A. I believe there could be differences, age-related
16 differences, in response to chemical exposure. I
17 don't believe it's clear what direction those
18 differences are going to go.

19 Q. Do you think children are more susceptible to toxins,
20 in general, than adults?

21 A. That -- you have to be a lot more specific about what
22 toxicant you're referring to, and what end point.

23 Q. Just in general. I'm talking --

24 A. I can't answer that in general.

25 Q. So as you sit here today, you do not know, under any

Draft Copy

1 circumstances, whether children are more susceptible
2 than adults to toxins?

3 A. That's not --

4 MS. McELDOWNEY: Object to the form. That's
5 not what he said.

6 A. That's not what I said.

7 Q. (BY MR. WALTERS) All right. Well, do you believe,
8 under any circumstances, a child can be more
9 susceptible to a toxin than an adult?

10 MS. McELDOWNEY: Object to the form.

11 A. I think I understand what you're asking. And, yes,
12 there are examples where children are more
13 susceptible to toxins than adults. There are
14 examples where children are less susceptible to
15 toxins than adults. And there are plenty of examples
16 where there doesn't appear to be any age-related
17 effect. That's why I was hedging on your earlier
18 question.

19 Q. (BY MR. WALTERS) The idea of individual
20 susceptibility is an explanation for why all smokers
21 don't get lung cancer, correct?

22 MS. McELDOWNEY: Object to the form.

23 A. I don't know enough about the pathology of cigarette
24 smoking to be able to -- to get into that very
25 deeply. But I would say, as a general matter, almost

Draft Copy

1 as a matter of definition, you have a large
2 population smoking. A few of those individuals get
3 lung cancer. By definition, then, those individuals
4 are going to be the ones that are most susceptible.

5 Q. (BY MR. WALTERS) Have you ever reviewed any of the
6 epidemiological studies on cigarette smoking and lung
7 cancer?

8 A. Only in a very, very general way, perhaps in a
9 textbook.

10 Q. Would it surprise you that there are some
11 epidemiological studies that did not find an
12 association between lung cancer and smoking?

13 MS. McELDOWNNEY: Object to the form.

14 A. I don't know whether that would surprise me or not,
15 until I have reviewed that body of literature.

16 Q. (BY MR. WALTERS) If there were, in fact, multiple
17 studies that did not find an association, in your
18 mind, would that rule out cigarette smoking causing
19 lung cancer?

20 MS. McELDOWNNEY: Object to the form.

21 A. I'm not prepared to answer these questions on lung
22 cancer and cigarette smoking, in that it's not
23 terribly relevant to why I'm here, A; and B, I have
24 not reviewed that literature.

25 Q. (BY MR. WALTERS) Well, just absent any review, just

Draft Copy

1 as a general principle. You told me earlier that you
2 rely on medical literature. If there's negative
3 literature, in other words, studies that don't find
4 an association, and there's studies that do find an
5 association, is it your belief that if there are
6 studies that don't find an association, then you can
7 rule out cause and effect?

8 MS. McELDOWNNEY: Object to the form.

9 A. No, I would not say that. I would say you have to
10 look at the overall weight of the literature, you
11 have to evaluate the strengths and weaknesses of the
12 studies, look for internal, external consistencies,
13 all the other parameters, and then evaluate the
14 overall weight of the scientific data.

15 Q. (BY MR. WALTERS) Do you think bias plays a role in
16 epidemiological studies?

17 MS. McELDOWNNEY: Object to the form.

18 A. I'm not an epidemiologist, but I have certainly seen
19 that as a criticism, as well as a potential problem
20 with studies.

21 Q. (BY MR. WALTERS) Potential problem with studies. If
22 an industry that has an interest in how the outcome
23 comes out, if they are involved in that study, would
24 you give that study less credence than if there was
25 an independent person or group involved with the

Draft Copy

1 study?

2 MS. McELDOWNNEY: Object to the form.

3 A. I would not, in any circumstances, evaluate the
4 credibility of a scientific study, based on where the
5 money came from to do the study, without looking at
6 the science and looking at the methodologies and
7 looking at the study, itself.

8 Q. (BY MR. WALTERS) Now, you mentioned earlier that you
9 were asked to evaluate the literature on NHL, and to
10 also review the depositions, primarily of
11 Dr. Landolph and Dr. Dahlgren, correct?

12 A. Yes.

13 Q. And you've reviewed, I think from Exhibit 1, other
14 depositions, correct? Dr. Roberts' deposition?

15 A. Uh-huh.

16 Q. Pediatric hematologist, correct?

17 A. Yes.

18 Q. Any other depositions that you've reviewed?

19 A. There were two other. One was a -- well, they might
20 both have been hematologists. Dr. Geier and
21 Dr. Sirridge.

22 Q. Have you reviewed Dr. Emami's deposition?

23 A. I have not.

24 Q. And do you know that Dr. Emami was Justin's treating
25 physician as a pediatric patient?

Draft Copy

1 A. I recall seeing that in the medical records.

2 Q. And Dr. Sirridge is now Justin's adult treating
3 hematologist. Are you aware of that?

4 A. I gathered that from his deposition.

5 Q. All right. And if both of Justin's treating
6 physicians believed that his illness, non-Hodgkin's
7 lymphoma, was caused by benzene from the refinery,
8 you would disagree with both of them.

9 A. Yes.

10 Q. Now, I want to ask you some questions about your
11 paper and about benzene, in general.

12 It's been recognized, has it not, for many
13 years, perhaps more than 100 years, the potential
14 human toxicity from exposure to benzene, true?

15 A. Yes.

16 Q. Sentence one of your paper, right? Correct?

17 A. That's -- yes.

18 Q. All right. So since it's been known for 100 years,
19 would you expect companies who produce products that
20 have benzene, to have been aware, as well?

21 MS. McELDOWNEY: Object to the form.

22 If you can answer.

23 A. That's not my area of expertise. And all I can tell
24 you is what has been published in the scientific
25 literature, and when I think those studies came out.

Draft Copy

1 Who -- who read them, and what they did with that
2 information, I do not know.

3 Q. (BY MR. WALTERS) Clearly, if anyone in the industry
4 had wanted to go look, there would be literature
5 observing the human toxicity of exposure to benzene
6 for more than 100 years, true?

7 A. There have been studies for -- since -- I think, the
8 first one was 1897. There have been studies in the
9 published literature on the toxicity associated with
10 benzene.

11 Q. And I know from reading your paper and your
12 depositions, that you're very familiar with the
13 possible pathways of exposure to benzene. Would that
14 be a fair assessment?

15 A. (No response.)

16 Q. For example, there can be dermal exposure to benzene,
17 right?

18 A. Dermal absorption of benzene is possible under
19 certain exposure conditions.

20 Q. There can be oral exposure to benzene, probably
21 drinking it, for example?

22 A. I wouldn't disagree with that.

23 Q. And there can also be inhalation exposure to benzene,
24 true?

25 A. Inhalation exposure is, by far and away, the most

Draft Copy

1 important and predominant pathway.

2 Q. And you write in your paper that it's the most common
3 and clearly the most important, true?

4 A. You know, I don't remember every single word that I
5 wrote in this. So if we're going to play that game,
6 perhaps you could just refer me to the sentence, and
7 then I can read the sentence.

8 Q. Page 533.

9 A. It sounds like what I just said, so I think we're
10 okay on this one.

11 Q. Okay. And is it your understanding that -- with
12 inhalation being the most important, what did you
13 mean by that? Why do you think it's the most
14 important?

15 A. Based on calculations, looking at internal dose, and
16 in animal studies, et cetera, it just seems to be the
17 predominant pathway. Dermal absorption of benzene,
18 while it can occur, is considered to be a relatively
19 minor pathway, particularly in occupational
20 environments.

21 Q. Have you reviewed any reports, estimating the
22 exposure, the inhalation exposure of Justin Detel in
23 this case?

24 A. The only information I have read concerning
25 quantitating or attempting to quantitate, would be

Draft Copy

1 Stephen Petty's report.

2 Q. Okay. You read his report?

3 A. And I did not find it very reliable or enlightening.

4 Q. All right. Well, you're not an industrial hygienist,
5 are you?

6 A. That's correct.

7 Q. And you're not a chemical engineer?

8 A. I am not.

9 Q. So whether or not, from a chemical engineering
10 perspective, it was enlightening and helpful, you
11 wouldn't know, true?

12 MS. McELDOWNNEY: Object to the form.

13 A. I guess I would agree with that.

14 Q. (BY MR. WALTERS) And whether or not it's
15 enlightening and helpful, from an industrial hygiene
16 perspective, you're not an industrial hygienist and
17 wouldn't be able to comment on that, true?

18 A. Yes, that's correct.

19 Q. So your point is, as a toxicologist, it wasn't
20 enlightening and helpful to you?

21 A. As a toxicologist, this notion of trying to
22 extrapolate from odors and smells, back to a
23 quantitative exposure of gasoline or benzene, is
24 extremely difficult to do, impossible perhaps, and
25 very, very uncertain. So that's why I said it was

Draft Copy

1 not reliable.

2 Q. Is it not true that, pound for pound, kids take in
3 more air per breath than adults?

4 A. I -- I don't know the answer to that question.

5 Q. What about transplacental exposure, is that a
6 recognized exposure pathway for toxins?

7 A. You've got to be more specific with "toxins."

8 Q. If mom's breathing a toxin or ingesting a toxin, can
9 that cross the placenta?

10 A. No, you have to be more specific about the toxin.

11 Q. I'm speaking in general. You tell me if you are
12 aware of a toxin that can do that.

13 A. That can cross the placenta?

14 Q. Sure.

15 A. Sure. There's examples of maternal smoking, and they
16 find cotinine levels in utero. So the metabolite of
17 nicotine can cross the placenta.

18 There are studies in animals, however, that
19 suggest benzene and/or its metabolites do not cross
20 the placental barrier very well.

21 Q. All right. They can cross the placenta, though,
22 correct?

23 A. I'm not sure to what extent, but they do not cross it
24 very readily.

25 Q. Well, I'm not asking very readily." They can cross

Draft Copy

1 the placenta?

2 MS. McELDOWNNEY: Object to the form.

3 A. We would have to get out those studies and look and
4 see if it's an absolute. My guess is it's not an
5 absolute, but they do not cross it very readily.

6 Q. (BY MR. WALTERS) Are you aware in this case that
7 Mrs. Detel was exposed to benzene during the
8 pregnancy with Justin?

9 MS. McELDOWNNEY: Object to the form. Is he
10 aware that that's being alleged, or is he aware that
11 that's a fact?

12 Q. (BY MR. WALTERS) Are you aware that that's a fact?

13 A. I am not aware that that's a fact. However, I would
14 say, in the United States, that every woman who is
15 pregnant is going to be exposed to some level of
16 benzene because there is benzene in our background
17 environment.

18 Q. All right. Well, do you think, based on Mr. Petty's
19 estimate of Justin Detel's exposure, that he was
20 exposed a little bit more than background?

21 MS. McELDOWNNEY: Object to the form.

22 A. Well --

23 MS. McELDOWNNEY: If you can answer that.

24 A. I just thought that I gave you my opinion on the
25 reliability of Mr. Petty's exposure assessments. And

Draft Copy

1 even if those numbers are correct, which I would
2 seriously doubt, but even if you accepted those
3 numbers as being the absolute truth, there is still
4 no scientific evidence that supports benzene exposure
5 at those levels, to the development of non-Hodgkin's
6 lymphoma.

7 MR. WALTERS: All right. Well, I didn't ask
8 you anything about that, so I'm going to move to
9 strike.

10 Could you re-read my question again, please,
11 Barb.

12 (Whereupon, the following portion of the
13 record was read by the reporter:

14 "Question: Well, do you think, based on
15 Mr. Petty's estimate of Justin Detel's exposure, that
16 he was exposed a little bit more than background?"

17 MS. McELDOWNNEY: Object to the form.

18 Q. (BY MR. WALTERS) Let me rephrase the question for
19 you. If we assume Mr. Petty's estimate is reasonably
20 reliable, Justin Detel's exposure was more than
21 background, true?

22 MS. McELDOWNNEY: Object to the form.

23 A. I'm not able to make that assessment because I do not
24 consider it to be reliable. So in my view, I have
25 not seen believable exposure estimates for Justin

Draft Copy

1 Detel.

2 Q. (BY MR. WALTERS) All right. Well, I'm going to ask
3 you, for purposes of my question, to assume that that
4 exposure estimate is reasonably reliable, all right?
5 Do you understand me at this point? I know you
6 disagree with that. But assume with me that that
7 exposure estimate is reasonably reliable. That's
8 more than background, true?

9 MS. McELDOWNNEY: Object to the form.

10 A. Well --

11 MS. McELDOWNNEY: Do you just -- I'm sorry,
12 Lon, do you want him to just assume that that's
13 correct?

14 MR. WALTERS: That's exactly what I said.

15 MS. McELDOWNNEY: No, you said "reasonably
16 reliable," is where we're having some issues. Just
17 have him assume that's correct.

18 Q. (BY MR. WALTERS) Let's assume it's correct.

19 A. All right. How about this: An individual that is
20 exposed to 20, 21 ppm-years of benzene, that is
21 higher than background exposure.

22 Q. Now, the reason -- well, let me get back to some of
23 the things that you said in your paper. The reason
24 that benzene is potentially harmful to humans is
25 because it gets trapped in the bone marrow, true?

Draft Copy

1 A. There is data to support that, yes.

2 Q. And your words were, and I'm looking on Page 533,

3 "The bone marrow acts as a trap for benzene." Do you
4 still stand by that statement?

5 A. That is documented in the scientific literature.

6 Q. This concept that benzene -- or that bone marrow can
7 act as a trap for benzene.

8 A. Yes. This is not David Pyatt's research or David
9 Pyatt's opinion. This is what is in the scientific
10 literature.

11 Q. And you go on to state that, "Bone marrow is arguably
12 the most important target organ," true?

13 MS. McELDOWNEY: Object to the form.

14 Q. (BY MR. WALTERS) Do you still agree that bone marrow
15 is arguably the most important target organ of
16 benzene toxicity?

17 A. Yes.

18 Q. And is that not true, because it is in the bone
19 marrow where stem cells develop?

20 A. I'm not following -- it's true because we have
21 observed, throughout our investigations of benzene
22 toxicology, that it is a bone marrow toxicant.

23 Q. And bone marrow is where stem cells are formed, true?

24 A. That depends on your definition of a stem cell.

25 Q. All right. Well, where stem cells are formed in the

Draft Copy

1 bone marrow?

2 A. Hematopoiesis occurs in the bone marrow, so you're
3 going to have hematopoietic progenitor cells and
4 hematopoietic stem cells.

5 There are other stem cells that are not in
6 the bone marrow. There are hepatic stem cells that
7 are in the liver. So it depends on your definition
8 of stem cell.

9 Q. I'm going to show you what I'm going to mark as
10 Exhibit 2.

11 (Whereupon, Pyatt Exhibit No. 2 was marked
12 for identification.)

13 Q. (BY MR. WALTERS) And ask you if this diagram fairly
14 and accurately shows a cell lineage from a stem cell
15 that's formed in the bone marrow?

16 A. And what is this cone-shaped device supposed to be?

17 Q. Well, are you aware that stem cells that form in the
18 bone marrow, differentiate?

19 A. Yes.

20 Q. Okay. Well, does that illustration demonstrate the
21 differentiation of a stem cell?

22 A. I still don't know what this cone-shaped device is.
23 And are you -- is this an extenuation of the bone
24 marrow, or is this diagram supposed to be
25 illustrating that lymphoid progenitor cells are

Draft Copy

1 differentiating in the bone marrow to mature
2 lymphocytes?

3 Q. Let me see if I can explain it to you. Stem cell
4 originates out of the bone marrow, correct? We can
5 agree on that?

6 A. Yes.

7 Q. A stem cell differentiates into different cells,
8 correct? Just in laymen's terms.

9 A. Yes.

10 Q. So this part of the illustration demonstrates that
11 these -- this original stem cell differentiates,
12 true?

13 A. If that's what that illustrates.

14 Q. All right. And out of this original stem cell, what
15 differentiates, is cells that go to both the myeloid
16 line and the lymphoid line, correct?

17 A. Depending on your definition of stem cell, yes.

18 Q. All right. Well, I'm talking now about a stem cell
19 that's formed in the bone marrow.

20 A. Well, that is not a single thing. The stem cell that
21 forms in the bone marrow, as you're putting it, is a
22 very heterogeneous population. Some of them have the
23 differential capacity to go lymphoid and myeloid,
24 many do not. So it's going to depend on what your
25 definition is of this stem cell.

Draft Copy

1 Q. Well, I'm talking about a stem cell that forms in the
2 bone marrow.

3 A. I am, too.

4 Q. Just in general, some stem cells that form in the
5 bone marrow differentiate into lymphoid cells and
6 myeloid cells.

7 MS. McELDOWNEY: Objection.

8 A. If all of this is suppose to be taking place in the
9 bone marrow, then this is not accurate.

10 Q. (BY MR. WALTERS) All right. Where do you think this
11 takes place, then?

12 A. Well, I know where it takes place. The B-cell
13 lymphopoesis starts in the bone marrow, and at some
14 point in their process it moves out of the bone
15 marrow and goes into the peripheral lymphoid system.

16 The T-cell differentiation does not occur in
17 the bone marrow at all.

18 Q. I didn't say that. The stem cell originates in the
19 bone marrow.

20 A. But I asked you, does this whole sheet represent what
21 occurs in the bone marrow, and you said, yes.

22 Q. Okay. Well, forget that. It occurs from a stem cell
23 that originated in the bone marrow, true?

24 A. (No response.)

25 Q. Regardless of where it occurs in the body, it came

Draft Copy

1 out of a stem cell out of the bone marrow.

2 A. Yes, yes.

3 Q. And that's the same --

4 A. It's this thing here that I'm not crazy about
5 (indicating). And I don't know what this is.

6 MS. McELDOWNEY: And for the record,
7 Dr. Pyatt is indicating the cone-shaped figure on the
8 diagram.

9 A. Which doesn't have any biological relevance that I've
10 ever seen.

11 Q. (BY MR. WALTERS) Well, if it's to demonstrate the
12 differentiation, that would be an accurate
13 illustration, wouldn't it? The stem cell
14 differentiates?

15 MS. McELDOWNEY: Object to the form.

16 A. Well, that doesn't look like differentiation. And
17 this is not conventional, the way hematopoietic --
18 this concept is -- there are hundreds of diagrams
19 that illustrate this basic concept, where earlier
20 stem cells differentiate and start moving down
21 various lineages. And they are drawn with lines and
22 growth factors and illustrations of the various steps
23 in that process.

24 I don't know what this is. This would not
25 indicate to me that this is differentiation. So I

Draft Copy

1 would take issue with the way it's drawn.

2 But conceptually, it's right.

3 Q. (BY MR. WALTERS) And that's all I'm trying to get
4 at. Conceptually, a stem cell that forms in the bone
5 marrow, at some point it differentiates, some cells
6 go to the lymphoid line, some cells go the myeloid
7 line.

8 A. That's correct.

9 Q. And this bone marrow that you say acts as a trap for
10 benzene, is where this stem cell originates, true?

11 MS. McELDOWNNEY: Object to the form.

12 A. I think in the most general terms, that's correct.

13 Q. (BY MR. WALTERS) Now, moving on through your paper
14 here, I want to see if you still agree with some of
15 the statements you made. I'm looking at Page 535
16 under "Chronic." "There's a difference between
17 chronic exposure to benzene and acute exposure to
18 benzene," correct?

19 A. Are you asking me, or are we looking at specific
20 words in my book chapter again?

21 Q. No, I'm asking you.

22 A. Okay. Well, maybe you could differentiate the two,
23 and then I could recognize when we're playing the
24 game of whether I said a thing in the paper, or
25 whether you're asking me a straight question.

Draft Copy

1 Q. Do you think we're playing a game here?

2 A. Well, perhaps that wasn't the best word, but I can't
3 tell whether I'm supposed to be looking for a
4 specific section in a paragraph, or answering a
5 question.

6 Q. All right. Well, you'll always be answering a
7 question, so that's going to be a given.

8 Do you know the difference between acute and
9 chronic?

10 A. Yes.

11 Q. And acute -- you discuss in your paper, the
12 differences between acute and chronic, correct?

13 A. Yes.

14 Q. All right. Chronic exposure refers to long-term
15 exposure to benzene, right?

16 A. I'm --

17 MS. McELDOWNEY: Are you asking if that's
18 what he believes, or if that's what wrote in his
19 paper, or both?

20 MR. WALTERS: Would you read it again,
21 please, Barb.

22 (Whereupon, the following portion of the
23 record was read by the reporter:

24 "Question: Chronic exposure refers to
25 long-term exposure to benzene, right?")

Draft Copy

1 A. The EPA has definitions for what chronic exposure is.
2 But, yes, that's what I was referring to in this
3 paper.

4 Q. (BY MR. WALTERS) Okay. And you state, I want to ask
5 you if you still believe this, that, "Toxicity
6 associated with chronic long-term exposure to benzene
7 is primarily limited to the hematopoietic or immune
8 system."

9 A. Yes.

10 Q. You wrote that. Do you still believe that?

11 A. Yes.

12 Q. And how is it related to the immune system?

13 A. It is related to the immune system in a variety of
14 studies in occupational workers exposed to benzene.
15 There have been reports of lymphocytopenias, which
16 are suppressions of the mature lymphocytes observed
17 in the peripheral circulation.

18 Q. All right. How is it related to the hematopoietic
19 system?

20 A. How --

21 MS. McELDOWNEY: Object to the form.

22 A. How is lymphocytopenia related to the hematopoietic
23 system?

24 Q. (BY MR. WALTERS) No. Let me rephrase. How is the
25 toxicity that you referred to here as chronic,

Draft Copy

1 long-term exposure to benzene, how does it affect the
2 hematopoietic system?

3 A. Well, depending on the exposure circumstances and the
4 duration and extent of exposure, as I described in
5 this chapter.

6 Q. Now, in reading either your paper or your deposition
7 testimony, you're aware, are you not, that there are
8 multiple leukemias or lymphomas that originate out of
9 the lymphoid cell line?

10 A. Yes.

11 Q. For example, there's CLL, true?

12 A. Yes.

13 Q. You're familiar with that illness.

14 A. I am.

15 Q. You're familiar with ALL?

16 A. Yes.

17 Q. And, of course, you're familiar with NHL.

18 A. (Nodding head affirmatively.)

19 Q. True?

20 A. Yes.

21 Q. And those would all be illnesses that originate out
22 of the lymphoid lineage, true?

23 A. That's correct.

24 Q. And as I understand it, you believe that when one is
25 looking at cause and effect, those illnesses are

Draft Copy

1 essentially semantics on whether or not there's any
2 difference?

3 MS. McELDOWNEY: Object to the form.

4 A. I don't understand your question.

5 Q. (BY MR. WALTERS) Let me rephrase.

6 When one is looking to determine whether or
7 not a toxin caused a particular illness, whether it's
8 ALL, NHL or CLL, one can look at the literature for
9 any three of those illnesses.

10 MS. McELDOWNEY: Object to the form.

11 A. There are literature that are describing each of
12 those diseases. And, yes, that is the scientific
13 literature that one would evaluate if one was trying
14 to decide if a chemical could cause those diseases.
15 I would not consider that a semantical distinction.
16 I think that distinction is critical.

17 Q. (BY MR. WALTERS) Okay. Well, do you recall
18 testifying in the Wilkinson case that, whether we
19 call it NHL or CLL, it's all semantical, it really
20 doesn't matter, based on the literature?

21 MS. McELDOWNEY: Object to the form.

22 Q. (BY MR. WALTERS) Do you recall --

23 A. I would have to look at the context that I said that.

24 Q. All right.

25 A. And I think my discussion at that point was whether

Draft Copy

1 benzene was related to those diseases.

2 Q. So when one is looking at whether or not benzene is
3 associated with NHL, do you believe that you can look
4 at CLL's literature, you can look at ALL's
5 literature, it really doesn't matter, based on the
6 literature?

7 MS. McELDOWNNEY: Object to the form.

8 A. You're going to have to ask that question again.

9 MR. WALTERS: Could you read that back.

10 (Whereupon, the following portion of the
11 record was read by the reporter:

12 "Question: So when one is looking at
13 whether or not benzene is associated with NHL, do you
14 believe that you can look at CLL's literature, you
15 can look at ALL's literature, it really doesn't
16 matter, based on the literature?")

17 MS. McELDOWNNEY: Same objection.

18 A. No, I don't believe that at all.

19 Q. (BY MR. WALTERS) Well, do you recall giving that
20 testimony in the Wilkinson case?

21 A. Well, I think you're taking it out of context. And I
22 would have to see what question was posed, and my
23 response.

24 Q. Now, you, in your paper, and I'm going to start with
25 CLL, you wrote a section on CLL, correct?

Draft Copy

1 A. Yes.

2 Q. And that was in 2004 that this paper was published?

3 A. That's when it came out, yes.

4 Q. What was the purpose of this paper, by the way?

5 A. The editor of this book called me and asked me if I
6 would write a review paper on benzene.

7 Q. And so essentially, what you did was go out and look
8 at the published studies, epidemiological studies, on
9 various illnesses and benzene?

10 A. Yeah, I think that's fair.

11 Q. And you also wrote a section on non-Hodgkin's
12 lymphoma, correct?

13 A. Yes.

14 Q. And looking at Page 242 of your paper, looking at
15 "Other Hematopoietic Malignancies, do" you see that
16 section?

17 A. Uh-huh. Yes.

18 Q. Third sentence, you state, "A variety of other
19 hematopoietic malignancies have been published in
20 sporadic case reports and studies, with the authors
21 attributing benzene as an etiologic factor." Did you
22 write that?

23 A. Yes.

24 Q. So you know -- and then you cite to Table 3 where
25 those illnesses are listed, that other authors have

Draft Copy

1 attributed benzene as a factor, true?

2 A. There are studies out there that -- yes, that's true.

3 Q. There are studies out there where authors have
4 attributed CLL to benzene, true?

5 A. I think I would say there are studies out there where
6 authors have suggested that it was possible.

7 Q. Well, the words you used in your paper here were --
8 were, "There are studies with the authors attributing
9 benzene as a factor." That's what you wrote,
10 correct?

11 A. That is what I wrote.

12 Q. And you didn't say "suggesting." Your word that you
13 used was authors "attributing" benzene.

14 A. That's correct.

15 Q. And one of the illnesses that you cite in your paper,
16 that authors have attributed to benzene, is CLL,
17 true?

18 A. But I'm trying to think of a study where that is
19 true, and I cannot think of one.

20 Q. All right. Well, did you not put CLL, chronic
21 lymphocytic leukemia, in Table 3 of your paper?

22 A. Well, yes, it's in the table. I -- I think perhaps
23 the word "possible" may have been inserted -- should
24 have been inserted into that sentence.

25 Q. It wasn't inserted, though, was it? The word you

Draft Copy

1 used was "attributing."

2 A. That is what it says in this book chapter. But I
3 cannot, sitting here today, think of a study where
4 the author has definitely said CLL is associated with
5 benzene exposure.

6 Q. Well, do authors have to definitively state something
7 in order for you to conclude that there is a causal
8 relationship?

9 A. Well, what the author says or doesn't say, is
10 important. I read it. But what I pay the most
11 attention to are -- are the data, the findings that
12 they are putting in their study.

13 Q. Is it not a true statement that epidemiological
14 studies are designed to look for associations?

15 MS. McELDOWNNEY: Object to the form.

16 A. You would need to pose that question to an
17 epidemiologist.

18 Q. (BY MR. WALTERS) Do you think epidemiological
19 studies are designed to study cause and effect?

20 MS. McELDOWNNEY: Object to the form.

21 A. I would respond the same way.

22 Q. (BY MR. WALTERS) All right. So when you read an
23 epidemiological study, are you expecting, then, to
24 either rule in or rule out cause and effect?

25 MS. McELDOWNNEY: Object to the form.

Draft Copy

1 A. Am I expecting them? Who is "them?"

2 Q. (BY MR. WALTERS) An epidemiologist.

3 A. The authors --

4 Q. Correct.

5 A. -- of the study.

6 Q. Yes.

7 A. What they rule in and rule out, I read it, and I
8 think about it. But again, I'm more interested in
9 what their data suggests.

10 And as far as a single study, no single
11 study is going to be able to determine cause and
12 effect. The causation argument is going to be made
13 by the overall weight of the literature.

14 Q. Isn't cause and effect -- doesn't that have to be
15 made on an individual basis?

16 MS. McELDOWNNEY: Object to the form.

17 A. You're going to have to give me an example of what
18 you're asking me.

19 Q. (BY MR. WALTERS) Well, isn't the best epidemiology
20 can give us, is whether or not there's an association
21 between a chemical and an illness?

22 MS. McELDOWNNEY: Object to the form.

23 A. I would say that's true.

24 Q. (BY MR. WALTERS) All right. And there's a
25 difference between an association, and whether or

Draft Copy

1 not, in an individual case, there's causation.

2 MS. McELDOWNNEY: Object to the form.

3 A. The only way that you can assess whether there's
4 causation, is going to be reading and evaluating the
5 scientific evidence addressing that specific
6 question.

7 Q. (BY MR. WALTERS) Now, was -- you're familiar,
8 because I've reviewed your box over there, with the
9 Australian petroleum workers study, the Healthwatch?

10 A. I'm familiar with that, yes.

11 Q. All right. And that was a study, looking at some
12 300,000 refinery workers?

13 A. I don't recall the exact number.

14 Q. And that original study came out in 2001, did it not?

15 A. The -- the part of that study that I'm the most
16 familiar with is the publication in 2003 that Deborah
17 Glass published.

18 Q. All right. Well, were you aware that when the
19 original Healthwatch in 2001 came out, that they
20 found an excess of CLL in the petroleum refinery
21 workers?

22 A. I have heard that, yes.

23 Q. You didn't cite that anywhere in your paper, did you?

24 A. I did not, because when she evaluated her data more
25 thoroughly and came out with a peer-reviewed version

Draft Copy

1 of that data, there was not an excess.

2 Q. You mean after industry sponsored a rewrite of that
3 paper?

4 MS. McELDOWNNEY: Object to the form.

5 Q. (BY MR. WALTERS) You're aware that industry
6 sponsored a rework of that paper?

7 A. What I'm aware of is the published data does not
8 report an increase.

9 Q. Are you aware of petroleum companies' involvement in
10 those subsequent studies?

11 A. I'm not aware of that.

12 Q. We can agree, can we not, that under your section for
13 CLL, you did not, not only not mention the 2001, but
14 you didn't mention the 2003, either, did you?

15 A. The 2003 what?

16 Q. Well, industry's rewrite of the Australian petroleum
17 workers study.

18 MS. McELDOWNNEY: Object to the form.

19 Your characterization of the --

20 A. I did not include that in that section, no, but I was
21 aware of it.

22 Q. (BY MR. WALTERS) Now, back to your Table 3 where you
23 say that there are --

24 A. Hold on. Excuse me just a minute.

25 Q. Oh, sure. Just tell me when you're ready.

Draft Copy

1 Ready?

2 A. Not quite.

3 Okay. What page were you on again? I'm
4 sorry.

5 Q. I'm on Page 542. I'm looking, again, at your
6 statement that authors have attributed benzene as a
7 factor in various malignancies, okay?

8 A. Okay.

9 Q. So based on your statement here that authors have
10 attributed benzene as a factor in CLL, if a medical
11 doctor wanted to look at a study to determine whether
12 or not benzene, you could find any author that has
13 attributed benzene as a factor in CLL, you would
14 agree that that would exist.

15 MS. McELDOWNNEY: Object to the form.

16 A. Well, maybe you've worded it a little different, but
17 it seems like we've already gone through this and
18 I've already answered it.

19 Q. (BY MR. WALTERS) No. My question is if a medical
20 doctor was taking care of a patient with CLL, and he
21 found out that patient was exposed to benzene, if he
22 wanted to go and look for a study that attributed
23 benzene as a factor, he would be able to find such a
24 study, based on what you've identified in your paper.

25 MS. McELDOWNNEY: Object to the form. You

Draft Copy

1 mean any study?

2 A. I think if he did a search, he would be able to find
3 many, many studies that have not attributed benzene,
4 that has specifically looked at that association, and
5 not found it.

6 Q. (BY MR. WALTERS) Okay. But I didn't ask you that.
7 I asked you if he wanted to find a study that
8 found -- attributed benzene, as you state in your
9 paper, as a factor in CLL, that would exist in the
10 published literature.

11 MS. McELDOWNNEY: Object to the form. And I
12 assume you mean study, versus editorial, review
13 paper, et cetera.

14 A. I -- I can't think of a study where the authors have
15 said benzene caused an increase in CLL.

16 Q. (BY MR. WALTERS) Why did you include CLL, then, in
17 Table 3, referring people to there, when you say that
18 there have been published reports and studies with
19 authors attributing benzene as a factor? Is that a
20 misprint?

21 MS. McELDOWNNEY: Object to the form. He
22 said reports.

23 A. Well, considering the amount of time we've spent
24 discussing this sentence, again, I wish that I had
25 put the word "possible" in there, and I think that

Draft Copy

1 would have been a more accurate representation.

2 Q. (BY MR. WALTERS) All right. So if a doctor -- let's
3 just use that word, then. If a doctor wanted to find
4 a study that possibly attributed benzene to CLL, he
5 could find such a study?

6 MS. McELDOWNEY: Object to the form.

7 A. He could find studies where that was part of their
8 original goal, was to see whether or not benzene
9 exposure was linked to an increased risk of CLL.

10 Q. (BY MR. WALTERS) And he could find a study, if he
11 went to the Healthwatch 2001, that found an excess of
12 CLL in petroleum refinery workers.

13 MS. McELDOWNEY: Object to the form.

14 A. But the Healthwatch 2001 was not peer-reviewed and
15 published. And when that data was re-evaluated and
16 gone through the peer-review process, that excess
17 went away.

18 Q. (BY MR. WALTERS) I didn't ask you that. I asked you
19 if he went to the Healthwatch 2001 study, he would
20 find an excess of CLL in petroleum refinery workers
21 exposed to benzene.

22 MS. McELDOWNEY: Object to the form.

23 A. It would be inappropriate to form any kind of
24 opinions based on that nonpublished data, when you
25 have a published study two years later looking at

Draft Copy

1 that same data.

2 MR. WALTERS: Move to strike as
3 nonresponsive.

4 Q. (BY MR. WALTERS) Do you understand my question?

5 A. I don't think I do.

6 Q. All right. If a doctor, who is treating a patient
7 that had CLL, and thought benzene might be a factor,
8 if he walked over to his computer and opened up the
9 Healthwatch 2001, he would read, would he not, that
10 there was an excess finding of CLL patients in the
11 petroleum refinery workers.

12 A. In that non- --

13 MS. McELDOWNEY: Object to the form.

14 A. In that nonpublished study.

15 Q. (BY MR. WALTERS) Correct.

16 A. Without looking at anything else.

17 Q. Correct.

18 A. Yes.

19 Q. Now, let's talk about NHL. You also, in Table 3,
20 where you state there's been published reports and
21 studies, "Authors have attributed benzene as a factor
22 in NHL," correct? That's what you wrote in your
23 paper?

24 A. Yes.

25 Q. So, again, if a medical doctor was treating a patient

Draft Copy

1 who had NHL, and thought benzene might be a factor,
2 he could go find studies that would attribute benzene
3 to NHL.

4 MS. McELDOWNNEY: Object to the form. That's
5 not what the paper said. It says studies and
6 reports.

7 A. There are certainly studies that have addressed the
8 relationship between benzene and NHL. There are
9 many, many of them. So that is true.

10 Q. (BY MR. WALTERS) Over under "Non-Hodgkin's Lymphoma"
11 in your paper, Page 544 -- and I'm looking down at
12 the third paragraph. Do you see that?

13 A. Yes.

14 Q. "Not surprisingly, benzene has been implicated as a
15 potential etiologic agent in the search for
16 environmental and occupational causes of NHL." Did I
17 read what you wrote correctly?

18 A. Yes.

19 Q. And do you stand by that statement today in 2006?

20 A. Which part of this statement are you asking if I
21 stand beside?

22 Q. The sentence.

23 A. That it's not surprising, or that benzene has been
24 implicated as a potential, there have been searches?

25 Yes, I mean, this is true.

Draft Copy

1 Q. Okay. The word that you used was "it's not
2 surprising," correct?

3 A. Yes.

4 Q. If there were no studies and no one had ever tried to
5 implicate benzene with NHL, you would have used words
6 like "surprisingly," right?

7 MS. McELDOWNNEY: Object to the form.

8 A. Right. If there were no studies and no one had ever
9 looked, I probably wouldn't have had a section in
10 here.

11 Q. (BY MR. WALTERS) So it was not -- it's not
12 surprising to you, those were the words that you
13 used?

14 MS. McELDOWNNEY: Object to the form. Asked
15 and answered.

16 A. Well, I appreciate this discussion, but this -- fine.
17 It was a book chapter.

18 Q. (BY MR. WALTERS) It was a book chapter, where you
19 weren't surprised when you got to the NHL section,
20 that people suggested that benzene might be a cause
21 of NHL.

22 MS. McELDOWNNEY: Object to the form.

23 A. That does not surprise me, no.

24 Q. (BY MR. WALTERS) And it doesn't surprise you because
25 you're aware that there are reports in the literature

Draft Copy

1 that are statistically significant, that have
2 associated benzene with NHL.

3 MS. McELDOWNNEY: Object to the form.

4 A. No, that, I would disagree with.

5 Q. (BY MR. WALTERS) There's not one study that is
6 statistically significant, that's associated benzene
7 with NHL?

8 MS. McELDOWNNEY: Object to the form. Is
9 that the question?

10 MR. WALTERS: Yes.

11 Q. (BY MR. WALTERS) You know there are, don't you?

12 MS. McELDOWNNEY: Object to the form.

13 A. There -- there are studies that have found
14 statistically significant associations.

15 Q. (BY MR. WALTERS) And, in fact, although I understand
16 you disagree with it, you write, and refer to the Yen
17 and Hayes paper, and your paper, when you say, "A
18 study of Chinese workers exposed to benzene reported
19 a statistically significant increase in NHL
20 associated with duration of exposure, but not with
21 model benzene air concentrations."

22 So that's an example of a paper that found a
23 statistically significant association between NHL and
24 benzene, true?

25 A. No, that is not an example, and I would disagree with

Draft Copy

1 that.

2 Q. Why did you state, then, "A study of Chinese workers
3 exposed to benzene reported a statistically
4 significant increase..."? Did they report a
5 statistically significant increase?

6 A. Yes, associated with duration of exposure, not with
7 cumulative exposure to benzene. So, yes, they found
8 an association with working in that environment. But
9 they specifically looked at benzene and tried to
10 associate that risk with cumulative benzene exposure,
11 and it was not there.

12 Q. What they found was that there were 17 workers in the
13 exposed group with NHL, and only three in the
14 nonexposed group. That's what they reported, wasn't
15 it?

16 A. I -- I don't know what you're looking at. And that
17 is not what they reported.

18 And the Hayes '97 study that I was referring
19 to in this paragraph, I have over there in a binder.
20 And if we're going to discuss it any further, I would
21 like to get it out.

22 Q. Okay. Do you disagree, then --

23 A. So I'm going to get it out?

24 MS. McELDOWNNEY: Do you want -- do you mind
25 if he gets the paper out so we can answer your

Draft Copy

1 questions?

2 MR. WALTERS: Well, I can show him.

3 MS. McELDOWNNEY: Is that the Hayes '97
4 paper?

5 Q. (BY MR. WALTERS) Am I reading this correctly? I'm
6 looking at the Yen '96. Are you familiar with that
7 report of the Chinese workers?

8 A. I have read it. But the '97 is the one that is the
9 best reviewed and cited overall analysis of the
10 results.

11 Q. Okay. Well, are you aware that the '96, the first
12 one, found 17 NHL in the exposed group, and only
13 three in the nonexposed group? Is that what they
14 reported?

15 A. This environmental health perspectives was a summary.
16 But I do recognize the relative risk of three, with a
17 nonstatistically significant confidence interval, so
18 I don't think that's inaccurate.

19 Q. It's not inaccurate that they found 17 in the exposed
20 group, and only three in the nonexposed group,
21 correct?

22 A. Right. But they try to associate non-Hodgkin's
23 lymphoma with benzene, based on cumulative exposure,
24 and that association was not there.

25 MR. WALTERS: Move to strike as

Draft Copy

1 nonresponsive after he answered the question,
2 "Right."

3 Q. (BY MR. WALTERS) Now, was this only looking at
4 mortality, or was this looking at diagnoses?

5 MS. McELDOWNNEY: Object to the form.

6 A. I don't know the answer to that. I would have to
7 pull it up and look. I think it was mortality.

8 Q. (BY MR. WALTERS) Was the Plioform study also looking
9 at mortality?

10 A. Yes.

11 Q. Do you know what the survival rate is of
12 non-Hodgkin's lymphoma?

13 A. I think that's going to depend on the type, and it's
14 going to depend on the era of medical treatment that
15 you're referring to.

16 Q. You are aware that patients survive non-Hodgkin's
17 lymphoma?

18 A. Yes.

19 Q. Have you been provided any information on how many
20 non-Hodgkin's lymphomas that at least we're aware of,
21 where the individuals lived or played near the Sugar
22 Creek refinery?

23 A. Say that again.

24 Q. Sure.

25 Have you been provided any data on how many

Draft Copy

1 non-Hodgkin's lymphomas exist in the Sugar Creek
2 area?

3 A. I have read a report from the Missouri Department of
4 Health that evaluated leukemia and other -- well, it
5 was leukemia specifically, and brain cancer, in that
6 study, looking at the -- this community.

7 Q. If Pliofilm and other epidemiological studies don't
8 count persons with -- who are diagnosed with
9 non-Hodgkin's lymphoma who have survived, that would
10 affect the analysis, would it not, on whether or not
11 there's an association between benzene and NHL?

12 MS. McELDOWNEY: Object to the form.

13 A. If it didn't show up in the follow-up -- I mean, it's
14 possible.

15 Q. (BY MR. WALTERS) Because if you're only counting
16 people that have died, and there's a bunch of people
17 with non-Hodgkin's who were exposed and have lived,
18 they obviously wouldn't be counted in that study,
19 right?

20 MS. McELDOWNEY: Object to the form.

21 A. It's impossible for me to say how that would affect
22 the overall study results without knowing what the
23 survival rate was, and type. But conceptually, I
24 think that's correct.

25 Q. (BY MR. WALTERS) Okay. I'm going to show you what

Draft Copy

1 I'm going to mark as Exhibit 3.

2 (Whereupon, Pyatt Exhibit No. 3 was marked
3 for identification.)

4 Q. (BY MR. WALTERS) And ask you if you have ever seen
5 this paper, looking at -- it's a case-control study,
6 looking at workers at Union Oil Company in
7 California. Have you ever seen this study before?

8 A. Yes.

9 Q. And let me draw your attention and ask you if you --
10 I think you agree with what they pointed out in this
11 study. And by the way, were you aware that they
12 found an excess of non-Hodgkin's lymphoma in their
13 workers?

14 MS. McELDOWNNEY: Object to the form.

15 A. Yes.

16 Q. (BY MR. WALTERS) And this -- they state, and I'll
17 ask if you agree with this, "The NHL series is large
18 enough to be informative, but it includes only
19 diseased NHL cases. It is likely that other Unocal
20 employees have developed NHL, that are still
21 surviving. Such persons are not included in this
22 study. Thus, associations between work history and
23 NHL may be distorted by factors related to survival."

24 Do you agree that associations between work
25 history and NHL can be distorted if we're not

Draft Copy

1 counting people that have been diagnosed, but don't
2 die?

3 MS. McELDOWNEY: Object to the form.

4 If you can answer.

5 A. I think we just discussed that.

6 Q. (BY MR. WALTERS) All right. And they sort of
7 memorialized in this study, what I think we agreed
8 to, true?

9 MS. McELDOWNEY: Object to the form.

10 A. Depending on the numbers, yes, it's possible. There
11 are a variety of refinery studies that have looked at
12 SIR, the incident rate, as well, and they have not
13 seen an increase in the incidence of non-Hodgkin's.
14 So not all the literature is strictly related to
15 mortality.

16 Q. (BY MR. WALTERS) Now, the Chinese study, this table
17 here that we were looking at earlier, also looked at
18 ALL, correct?

19 A. That, I'm not readily familiar with.

20 Q. Well, do you have any reason to disagree that they
21 reported finding five cases of ALL in the exposed
22 group, and only one in the nonexposed group? Do you
23 have any reason to disagree with those findings?

24 MS. McELDOWNEY: Object to the form.

25 A. I -- that's what it says on this page.

Draft Copy

1 Q. (BY MR. WALTERS) Now, you also cite in your paper,
2 another study of Swedish seaman that reported a
3 significant increase in NHL associated with benzene
4 exposure. You cited that paper in your chapter,
5 correct?

6 A. Yes.

7 Q. And I want to show you, and we'll mark as Exhibit
8 4 -- and you can feel free to refer to a copy of that
9 paper.

10 (Whereupon, Pyatt Exhibit No. 4 was marked
11 for identification.)

12 Q. (BY MR. WALTERS) Is Exhibit 4 what you cited in your
13 study?

14 A. The Nilsson study? Yes.

15 Q. Now, I'm looking at the front page. Do you have any
16 reason to disagree with their finding? And again,
17 this was looking at, what? Ship seaman who were
18 exposed to gasoline vapors?

19 A. It does not specify what they were exposed to.
20 Chemical or product tanker is the description.

21 Q. Well, do you see under "Conclusions," "Seamen exposed
22 to cargo vapors from gasoline and other light
23 petroleum products..."?

24 A. "...on chemical or product tankers..." So there
25 could have been a variety of exposures.

Draft Copy

1 Q. All right. But they were exposed to gasoline and
2 other petroleum products, true?

3 A. At least part of the time.

4 Q. All right. And what they found, and I'm looking up
5 above that, that the odds ratios were increased for
6 both lymphoma and multiple myeloma, true?

7 MS. McELDOWNNEY: Object to the form.

8 Q. (BY MR. WALTERS) Were the odds ratios reported in
9 this study, increased for those workers exposed to
10 benzene, in both lymphoma and multiple myeloma?

11 A. There was a nonstatistically significant increase in
12 non-Hodgkin's lymphoma in the 1960 cohort, and it was
13 increased in the 1970 cohort.

14 Q. And it was statistically significant in that cohort,
15 correct?

16 A. That's correct. But there was no increase in this
17 study in leukemia increase.

18 Q. So what we have here, and I'm looking at Table 1 on
19 Page 518, that in the 1970 cohort, they had 3.3 times
20 as many non-Hodgkin's in the exposed group, as in the
21 unexposed group, correct?

22 A. That is what they are reporting, yes.

23 Q. And in the 1960 cohort of their workers exposed to
24 benzene, they had four times as many, true? That's
25 what they reported?

Draft Copy

1 A. They had an odds ratio of 4, yes.

2 Q. And the 1970 study was statistically significant,
3 correct?

4 A. It is.

5 Q. So again, if we had a physician that was treating a
6 patient for non-Hodgkin's lymphoma that had been
7 exposed to benzene and wanted to find out if there
8 was any support in the medical literature for a
9 relationship, the Swedish seaman study would support
10 that relationship?

11 A. No.

12 MS. McELDOWNEY: Object to the form.

13 A. Absolutely not, it wouldn't support that. There's no
14 mention -- it's not benzene. It's gasoline or
15 petroleum products or whatever else is carried on
16 these chemical tankers. And with a non- -- no
17 increase in the leukemias incidence, I think that
18 would argue that it doesn't have anything to do with
19 benzene.

20 Q. (BY MR. WALTERS) All right. Let's limit it to
21 gasoline. If a doctor had a patient that was exposed
22 to gasoline, and wanted to see if there was any
23 relationship between gasoline and NHL, he could go to
24 this study and find that there was a statistically
25 significant relationship, correct?

Draft Copy

1 MS. McELDOWNNEY: Object to the form.

2 A. I would argue the same, that it doesn't specify that
3 that is the only thing that was carried on these
4 tankers.

5 Q. (BY MR. WALTERS) Well, when you reported in your
6 paper, looking at what you said, you used the word
7 "benzene exposure," didn't you? And I'm just going
8 to read for the record. "A second study also
9 reported a significant increase in NHL associated
10 with benzene exposure." Those were your words,
11 correct?

12 A. Yes.

13 Q. That's what you wrote in 2004?

14 A. Yes.

15 Q. Is that another example of where maybe you would, in
16 2006, want to have used different words?

17 MS. McELDOWNNEY: Object to the form.

18 A. Yes, I would not consider this study very strong
19 evidence, linking non-Hodgkin's lymphoma and benzene.

20 Q. (BY MR. WALTERS) That's your testimony on behalf of
21 BP in 2006, correct?

22 A. That's my testimony as a professional toxicologist,
23 reading this paper, sitting here at this table.

24 Q. Well, were you a professional toxicologist in 2004?

25 A. Yes.

Draft Copy

1 Q. And in 2004, you had yet to provide any expert
2 witness testimony for oil companies, correct?

3 MS. McELDOWNEY: Object to the form.

4 A. I think that's true, yes.

5 Q. (BY MR. WALTERS) Had you received any grant money to
6 do any work by the API in 2004?

7 A. I -- no, I have not.

8 MR. WALTERS: Why don't we take a break.

9 (Brief recess taken.)

10 Q. (BY MR. WALTERS) Doctor, do you know how it was that
11 BP found their way to you to consult with on this
12 case?

13 A. I do not know how -- I mean, I can tell you how I was
14 originally contacted, but I can't tell you what
15 happened prior to that.

16 Q. Okay. How were you originally contacted?

17 A. One of the partners in the firm, Phil Rothbart,
18 called me up on the phone and asked if he could meet
19 with me.

20 Q. And did you meet with him?

21 A. Yes.

22 Q. When did that occur?

23 A. I'm thinking the very end of 2005, but it might have
24 been early winter 2006.

25 Q. Had you heard anything about Sugar Creek or the

Draft Copy

1 refinery there, prior to that time?

2 A. Not to my recollection, no.

3 Q. Have you been to the site?

4 A. I have not.

5 Q. What is your understanding of what kind of pollution
6 is out at Sugar Creek from the refinery?

7 MS. CIPOLLA: Object to the form.

8 I'm sorry. Can you just read back the
9 question again?

10 (Whereupon, the following portion of the
11 record was read by the reporter:

12 "Question: What is your understanding of
13 what kind of pollution is out at Sugar Creek from the
14 refinery?")

15 A. I have seen discussion of an underground -- or a
16 plume under -- under the refinery.

17 Q. (BY MR. WALTERS) I think you mentioned earlier in
18 the deposition, that you have, in fact, reviewed
19 documents relating to the site. Would that be true?

20 A. I told you what I've reviewed. I read Stephen
21 Petty's report, but not -- you know, pretty
22 superficially.

23 Q. Were you provided any internal documents from BP
24 about what kind of releases the refinery had of
25 petroleum products over the years?

Draft Copy

1 MS. McELDOWNNEY: Object to the form.

2 A. I was not provided any internal documents, no.

3 Q. (BY MR. WALTERS) Were you made aware, in any form,
4 that there were time periods when the creek in Sugar
5 Creek was flowing 25 percent gasoline?

6 A. I was not made aware of that, no.

7 Q. Now, other than British Petroleum, you've worked for
8 other oil companies before, correct?

9 A. Yes.

10 Q. And you've been hired by Shell in the past, correct?

11 A. Through a law firm, yes.

12 Q. And what other oil companies, besides Shell and BP,
13 have you been hired by?

14 A. I think --

15 MS. McELDOWNNEY: Object to the form. I'm
16 sorry. Have you been -- the question was -- could
17 you restate it?

18 Q. (BY MR. WALTERS) Have you been hired to do work for
19 any oil company, other than BP and Shell?

20 A. I was involved in -- we discussed a case earlier,
21 Remboldt, and it was US Oil.

22 Q. Any others?

23 A. I think you mentioned Chevron as one of the
24 defendants in the Wilkinson case.

25 Q. All right. So we have Shell, BP, US Oil, Chevron.

Draft Copy

1 Any other oil companies?

2 A. Not -- not to my recollection, no.

3 Q. Now, as I understand it from your previous testimony,
4 but I want to make sure that's not changed, you've
5 provided testimony on behalf of a plaintiff in a
6 benzene exposure case, correct?

7 A. I have never been asked, and I have never provided
8 testimony.

9 Q. You've never provided a report for a plaintiff in a
10 benzene exposure case, correct?

11 A. That -- same answer.

12 Q. Other than this case, are you currently retained to
13 consult with, on any other cases for British
14 Petroleum?

15 A. No.

16 Q. Are you currently retained in any benzene cases for
17 Shell?

18 MS. McELDOWNEY: Other than the ones we
19 already -- or may have talked about?

20 MR. WALTERS: Correct.

21 A. No.

22 Q. (BY MR. WALTERS) Now, you mentioned earlier that you
23 found Mr. Petty's reliance on odor, unreliable. Is
24 that your testimony?

25 A. Yes.

Draft Copy

1 Q. Have you been provided any reports from Shell where
2 they, in fact, relied on odor in performing estimate
3 assessments?

4 A. No.

5 Q. Would it surprise you that Shell Oil, in fact,
6 referred to a study as state of the art, where they
7 used odor thresholds, odor recollections, to
8 determine exposure estimates?

9 MS. McELDOWNEY: Object to the form.

10 A. Are you asking me if I would be surprised, or are you
11 asking me if I've seen that study?

12 Q. (BY MR. WALTERS) Would you be surprised? I know you
13 haven't seen it. You testified you hadn't seen it.

14 MS. McELDOWNEY: Object to the form.

15 A. I -- I don't know how -- I don't know whether I would
16 be surprised or not surprised.

17 Q. (BY MR. WALTERS) Now, working with Shell, I'm sure
18 you're familiar with the Marsh study, correct?

19 A. I've reviewed that study. I don't have the specific
20 numbers on the top of my head.

21 Q. All right. Well, are you aware that the Marsh study
22 performed by Shell, found an increased number of
23 non-Hodgkin's lymphoma in their chemical plant
24 workers?

25 A. I would have to pull that study out. I have it in

Draft Copy

1 one of the binders over there.

2 Q. Now, you are currently doing work for API, correct?

3 A. Yes.

4 Q. And that stands for the American Petroleum Institute?

5 A. That's correct.

6 Q. What is the American Petroleum Institute?

7 A. Well, I'm probably not the right person to ask that.

8 My understanding is that it's a trade organization,

9 of which petroleum companies are members.

10 Q. And when were you hired by the American Petroleum

11 Institute?

12 MS. McELDOWNNEY: Object to the form.

13 A. I -- I don't recall the exact date. Sometime this

14 past year, year and a half.

15 Q. (BY MR. WALTERS) And what were you asked to do by

16 the American Petroleum Institute?

17 A. I was asked to help with the children's risk

18 assessment for benzene, through the VCCEP program.

19 Q. The VCCEP program, is that a program sponsored by the

20 Chemical Manufacturers Association?

21 A. It is a program sponsored by the US EPA.

22 Q. You were involved and asked to participate, based on

23 grants from the Chemical Manufacturers Association,

24 correct?

25 MS. McELDOWNNEY: Object to the form.

Draft Copy

1 A. I'm -- I'm not following your question.

2 Q. (BY MR. WALTERS) Well, how are you being paid to
3 participate in this children's risk study?

4 A. Yes, the payment was coming through CMA, but my
5 original involvement was through API.

6 Q. Is API paying for it, as well?

7 A. API paid for a portion of it. CMA paid for a portion
8 of my involvement.

9 Q. Is that study done?

10 A. The risk assessment? Yes, we presented it to EPA in
11 June. It's available online.

12 Q. Now, BP is a part of the American Petroleum
13 Institute, correct?

14 A. That's my understanding, yes.

15 Q. Do you know whether or not industry trade
16 organizations have an interest in the outcomes of
17 benzene studies?

18 MS. McELDOWNNEY: Object to the form.

19 A. You'll have to define "an interest in the outcome."

20 Q. (BY MR. WALTERS) Well, do you think that --

21 A. They are interested in the study, or they wouldn't
22 sponsor the study.

23 Q. Do you think they have an interest in a study
24 outcome?

25 MS. McELDOWNNEY: Object to the form.

Draft Copy

1 If you can answer.

2 A. I -- I don't know what you mean by that.

3 Q. (BY MR. WALTERS) Well, do you think the petroleum
4 industry is happy when papers come out associating
5 benzene with illnesses?

6 MS. McELDOWNNEY: Object to the form.

7 A. I can't answer for API.

8 Q. (BY MR. WALTERS) Well, answer for yourself,
9 Mr. Pyatt. Based on what you know, and just common
10 sense, do you think companies that sell products with
11 benzene in them are thrilled when studies come out
12 associating benzene with an illness?

13 MS. McELDOWNNEY: Object to the form.

14 A. I can only answer for the product -- the studies that
15 I have conducted. And my conclusions were my own,
16 based on the literature. There was no influence from
17 the funding source as to my interpretation of the
18 data.

19 And I am a doctor, by the way.

20 Q. (BY MR. WALTERS) You're a medical doctor?

21 A. No. But I have a PhD in toxicology.

22 Q. Do you prefer to be called Dr. Pyatt?

23 A. I would prefer that over Mister, yes.

24 Q. Okay. I'm a doctor, too. You can call me Lon.

25 MS. McELDOWNNEY: This is doctor. Me, too.

Draft Copy

1 Christin, too.

2 A. Well, you can call me David.

3 Q. (BY MR. WALTERS) Now, you're aware, are you not,
4 that API has sponsored other projects, looking at, or
5 relooking at, data on benzene exposure, correct?

6 MS. McELDOWNNEY: Object to the form.

7 If you know.

8 Q. (BY MR. WALTERS) And specifically I'm talking about
9 the Pliofilm studies. You're familiar with the
10 Pliofilm studies, correct?

11 A. I am.

12 Q. And Renske's paper was the original Pliofilm, was it
13 not?

14 A. I don't think that's right. I think, actually, Peter
15 Infante published sort of a preliminary report in
16 1977.

17 Q. All right. And in your preparation for this case,
18 did you review the Pliofilm study to see what they
19 had to say about non-Hodgkin's lymphoma?

20 A. Yes.

21 Q. All right. And is it not true that the original
22 Renske paper on Pliofilm did not mention
23 non-Hodgkin's lymphoma?

24 A. I would have to go back and specifically relook at
25 all the language in these studies.

Draft Copy

1 Q. Are you familiar with Mary Paxton's '96 paper on
2 Pliofilm?

3 A. I'm familiar with Mary Paxton's two papers in '94.
4 If this is another environmental health perspective
5 summary paper, I'm not as familiar with that. But I
6 have it, and I can get it.

7 Q. Were you aware, and I'm just reading from this paper,
8 that the reanalysis of the Pliofilm cohort was
9 conducted for the American Petroleum Institute?

10 MS. McELDOWNNEY: Object to the form.

11 Your question is, is he aware that's what
12 the paper says?

13 Q. (BY MR. WALTERS) Are you aware that the reanalysis
14 done by Ms. Paxton was conducted for the American
15 Petroleum Institute?

16 A. I did not know the source of -- I think she's a
17 doctor, as well, but I do not know the source of her
18 funding.

19 Q. Are you familiar with the reanalysis that Kenny S.
20 Croup -- Crump --

21 A. Crump (pronouncing KRUMP)? I've read it, yes.

22 Q. Were you aware that support for that work was
23 provided by the Western States Petroleum Association?

24 MS. McELDOWNNEY: Object to the form.

25 A. I did not know the source of Dr. Crump's funding.

Draft Copy

1 Q. (BY MR. WALTERS) Let me ask you, do you think this
2 is appropriate. He states down at the bottom that,
3 "Appreciation is expressed to members of the benzene
4 task force of the American Petroleum Institute for
5 their review and suggestions." Do you think it's
6 appropriate for a trade organization for oil
7 companies to review and give suggestions to what's
8 supposed to be an independent analysis of a cohort?

9 MS. McELDOWNEY: Object to the form.

10 If you can answer.

11 A. I don't think giving suggestions as to -- I don't
12 know what they did. I don't know what kind of input
13 they provided to Dr. Crump. But if it's editorial or
14 organizational of the paper, no, I don't find that
15 inappropriate at all.

16 Q. (BY MR. WALTERS) Do you think that raises the index
17 of suspicion that there might be bias in a paper when
18 a petroleum trade organization has reviewed and given
19 suggestions to the author?

20 MS. McELDOWNEY: Object to the form.

21 A. I think that's going to depend on who the observer is
22 that you're talking about.

23 Q. (BY MR. WALTERS) We have no idea what the
24 suggestions were, do we?

25 A. I -- I don't have any idea what the suggestions were.

Draft Copy

1 Q. Does it cast suspicion, in your mind, on reanalysis
2 of data, when the petroleum institute is involved?

3 MS. McELDOWNEY: Object to the form.

4 A. It does not. And I've seen other things that Kenny
5 Crump has done, and I think he is an excellent
6 scientist.

7 Q. (BY MR. WALTERS) Do you think the tobacco industry
8 had any involvement in some of those studies that we
9 discussed earlier that didn't find an association
10 between cigarette smoking and lung cancer?

11 MS. McELDOWNEY: Object to the form.

12 A. I do not have any idea.

13 Q. (BY MR. WALTERS) That's a fair analogy, isn't it?
14 Tobacco companies to smoker studies, petroleum
15 companies to benzene studies?

16 MS. McELDOWNEY: Object to the form.

17 A. I -- I can't answer that question.

18 Q. (BY MR. WALTERS) Are you doing any other work for
19 the American Petroleum Institute?

20 A. Other than what?

21 Q. Other than what we've already discussed.

22 A. I -- I have one other project with them.

23 Q. All right. And what project is that?

24 A. A literature review on the etiological factors in
25 childhood leukemia.

Draft Copy

1 Q. Have you begun work on that project?

2 A. I have collected piles of literature.

3 Q. How much --

4 A. Yes, I've -- yes, I've begun work on it.

5 Q. How much are you being paid for that work?

6 A. That's actually confidential.

7 Q. But you are being paid by API for that work?

8 A. I am being paid for my time, yes.

9 Q. How much have you been paid by BP for your work in
10 this case?

11 MS. McELDOWNNEY: Lon, I don't know if we've
12 actually paid any of his invoices, but we've brought
13 them today, if you want his invoices that he
14 submitted to date.

15 Q. (BY MR. WALTERS) What's the total dollar figure of
16 the invoices that you've submitted to date?

17 A. I can --

18 MS. McELDOWNNEY: You can go look at the
19 invoices.

20 A. I can get them out. It's about \$10,000.

21 Q. (BY MR. WALTERS) Do you have additional work to
22 invoice?

23 A. This does not -- yes, but I have not summed that up.

24 Q. What's your hourly rate?

25 A. My hourly rate is \$220 an hour.

Draft Copy

1 Do you want these?

2 Q. Sure.

3 A. (Handing document.)

4 Q. Were you consulted to help the lawyers prepare
5 deposition questions for any of the plaintiffs'
6 experts?

7 A. I was not.

8 Q. I may have asked you this earlier. I apologize if I
9 did. Are you doing any other work of any kind for
10 BP? Medical, legal or otherwise?

11 A. No.

12 Q. Are you a shareholder?

13 MS. McELDOWNEY: Object to the form.

14 A. Am I shareholder in BP?

15 Q. (BY MR. WALTERS) Yes.

16 A. Well, probably. I have some mutual funds that I
17 suspect, somewhere along the line, they may own
18 shares in various -- I don't own any shares in BP
19 directly.

20 Q. Are you familiar with -- now, API, American Petroleum
21 Institute, that you've done work for, they have put
22 out some toxicological reviews. Are you familiar
23 with those?

24 A. Vaguely.

25 Q. All right. I want to show you what we'll mark as

Draft Copy

1 Exhibit 5.

2 (Whereupon, Pyatt Exhibit No. 5 was marked
3 for identification.)

4 Q. (BY MR. WALTERS) The 1960 API toxicological review
5 on benzene, have you seen that publication?

6 A. I have seen this, yes.

7 Q. I want to ask you some questions about this
8 publication, and ask you if you agree or disagree.

9 I'm looking over at Page 3, under "Chronic
10 Effects," second paragraph. "The toxic action is
11 exerted mainly on the hematopoietic system." Do you
12 agree with that?

13 A. I do agree with that, Mr. Walters, but I'm not
14 finding where you are on the paper.

15 Q. (Indicating.)

16 A. Okay.

17 Q. "It may take months or even years to show harmful
18 effects." Do you agree with that?

19 MS. McELDOWNEY: Object to the form.

20 A. Well, I think that could be a little more specific.
21 It's going to depend on which harmful effects you're
22 referring to, but --

23 Q. (BY MR. WALTERS) That's the concept known as
24 latency, correct?

25 A. Yes.

Draft Copy

1 Q. And as I understand it, it's a recognized scientific
2 principle that persons chronically exposed to
3 benzene, may not show the harmful effects for many
4 years, true?

5 MS. McELDOWNNEY: Object to the form.
6 Exposed to benzene?

7 MR. WALTERS: Correct.

8 A. It's going to depend on the -- the end point that
9 you're referring to.

10 Q. (BY MR. WALTERS) Now, over on Page 4, second column,
11 "Various individuals differ in their bone marrow
12 response to benzene." Do you agree with that
13 statement?

14 A. Yes.

15 Q. And that's the whole discussion we had earlier about
16 individual susceptibility?

17 A. Correct.

18 Q. So it appears that the American Petroleum Institute
19 knew, at least by 1960, that persons exposed to
20 benzene might have different responses.

21 MS. McELDOWNNEY: Object to the form.

22 If you know.

23 A. I mean, this is an API document, so since it's in
24 there, yes.

25 Q. (BY MR. WALTERS) Last thing on this paper, Exhibit

Draft Copy

1 5, Page 5, under "Precautionary Measures."

2 A. Yes.

3 Q. Before we get to that, do you agree that benzene is a
4 chemical that should be dealt with in a safe manner,
5 as a general rule?

6 MS. McELDOWNNEY: Object to the form.

7 A. You need to be more specific. What benzene are you
8 referring to?

9 Q. (BY MR. WALTERS) Products that contain benzene. I
10 mean, benzene is a recognized carcinogen by multiple
11 organizations, correct?

12 A. Yes.

13 Q. OSHA recognizes it as a carcinogen, true?

14 A. Yes.

15 Q. IARC recognizes it as a carcinogen?

16 A. Yes.

17 Q. Even the American Petroleum Institute recognizes it
18 as a carcinogen.

19 A. Yes.

20 Q. So when you have a product that is a recognized
21 carcinogen, do you believe that it should be handled
22 in a safe and prudent manner?

23 MS. McELDOWNNEY: Object to the form.

24 A. Well, I think that -- that "safe and prudent manner"
25 is going to depend on the concentration of the

Draft Copy

1 benzene that is in the product.

2 Q. (BY MR. WALTERS) Do you agree with this statement,
3 I'm looking at the last paragraph on Page 5, second
4 column, "The concentration of benzene vapor in the
5 air should be checked regularly in locations where
6 the possibility of excessive exposure may be
7 encountered." Do you agree with that statement?

8 MS. McELDOWNEY: Object to the form.

9 A. I'm not an industrial hygienist, but I would not
10 disagree with this.

11 Q. (BY MR. WALTERS) Are you familiar with the 1948 API
12 toxicological review on benzene?

13 A. Vaguely.

14 Q. Okay. Were you aware that it was prepared by
15 professor Philip Drinker? Does that name sound
16 familiar to you?

17 A. I have seen that name before. I don't have a copy of
18 that.

19 Q. All right. Were you aware that Professor Drinker,
20 who prepared a toxicological review for API, is the
21 same Dr. Drinker that Stephen Petty relied on in
22 making his benzene estimates for Justin Detel?

23 A. I noticed the study that he referred to as the
24 Drinker study.

25 Q. Ask you if you agree with this statement from the

Draft Copy

1 1948 API toxicological review. "Inasmuch as the body
2 develops no tolerance to benzene, and as there is a
3 wide variation in individual susceptibility, it is
4 generally considered that the only absolutely safe
5 concentration for benzene is zero." Do you agree
6 with that statement?

7 A. I --

8 MS. McELDOWNNEY: Object to the form.

9 A. I would have to disagree with that statement.

10 Q. (BY MR. WALTERS) Why?

11 A. Because I believe there are concentrations that are
12 safe.

13 Q. What concentrations are safe?

14 A. One part per billion is safe.

15 Q. Is that safe for everybody?

16 A. Yes.

17 Q. Is that safe for a fetus?

18 A. Yes.

19 Q. How do you know?

20 A. There's no evidence to the contrary.

21 Q. So how do you square that with individual
22 susceptibility?

23 MS. McELDOWNNEY: Object to the form.

24 A. I don't need to square it with individual
25 susceptibility. This notion of individual

Draft Copy

1 susceptibility, if you look at the Pliofilm cohort
2 and the population of workers that were exposed, the
3 individuals that developed leukemia, by definition,
4 are the susceptible individuals.

5 Q. (BY MR. WALTERS) All right. And --

6 A. So the doses -- the concentrations of benzene that
7 were associated with an increased risk of AML, that
8 is the concentration of benzene that is toxic to the
9 susceptible individuals.

10 Many people in that cohort had no toxicity
11 associated with whatever their exposures were. It
12 may not have been exactly that, but --

13 Q. Well, how do you know that the guys or girls who got
14 leukemia in Pliofilm, wouldn't have got leukemia with
15 a lower dose?

16 A. Well, because there were individuals that were
17 exposed to lower levels.

18 Q. But if they are not the individuals -- if they are
19 not the susceptible population, that wouldn't help,
20 would it?

21 MS. McELDOWNNEY: Object to the form.

22 A. The enzymes -- the enzymatic systems that have been
23 identified as increasing or decreasing or changing
24 one's susceptibility to benzene, have been -- are
25 being identified.

Draft Copy

1 Q. (BY MR. WALTERS) Well, but my question --

2 A. And they are not that rare.

3 Q. My question was, in the Pliofilm studies, if the
4 susceptible population got leukemia at a certain
5 dose, then how can you say, or anyone say, that a
6 lower dose would have given them the same response,
7 leukemia?

8 A. What I'm saying is, --

9 MS. McELDOWNNEY: Object to the form.

10 A. -- in the lower concentration dose, which is actually
11 a larger population, those genetic polymorphisms were
12 expressed in other individuals that did not develop
13 AML.

14 Q. (BY MR. WALTERS) My question is, though, isn't it
15 possible that the persons in Pliofilm who got
16 leukemia at a certain dose, would have got leukemia
17 at even a lower dose? That's a possibility, isn't
18 it?

19 MS. McELDOWNNEY: Object to the form with
20 regard to your use of the word "possibility."

21 If you can answer that as a scientist.

22 A. I can't answer that.

23 Q. (BY MR. WALTERS) All right. And if you can't answer
24 that question, then how can you say that there's an
25 odor -- there's a dose threshold?

Draft Copy

1 A. That's evident in every study that's out there, that
2 there is a dose of benzene below which you don't see
3 an adverse effect.

4 Q. But in every study out there, how do you know that
5 whoever got leukemia at, let's say 50 parts per
6 million, wouldn't have got it at 10 parts per
7 million?

8 A. Because there are large populations of individuals
9 exposed to 10 parts per million, that did not --
10 using your example, that did not have an increased
11 risk. So you're assuming that that individual that
12 got leukemia has this totally unique profile that
13 makes him uniquely susceptible, and that's not going
14 to be expressed in anyone else. And I'm saying
15 that's total hypothetical, and it's not supportable
16 by what we know about these polymorphisms.

17 Q. You cannot state in any study, that a person who got
18 leukemia from benzene, wouldn't have got the same
19 leukemia at a lower dose, true?

20 MS. McELDOWNEY: Object to the form.

21 A. That is too speculative for me to answer.

22 Q. (BY MR. WALTERS) You would agree that it's a
23 possibility, would you not?

24 MS. McELDOWNEY: Object to the form as to
25 the use of the term "possibility."

Draft Copy

1 A. The only way I can address any question like that, is
2 to refer back to the scientific literature. And at
3 those lower doses there are many studies that do not
4 support an increased risk of AML. That's the only
5 way I know how to address that question.

6 Q. (BY MR. WALTERS) Now, you have reviewed some medical
7 literature, have you not, looking at exposures to
8 chemicals and the risk of childhood leukemia?

9 A. Yes.

10 Q. And are you familiar with the Shu study, a
11 population-based case-control study of childhood
12 leukemia in Shanghai?

13 A. Yes.

14 Q. And you would agree, would you not, and I'll show you
15 the study if you need to, that they found a
16 significantly associated maternal exposure to
17 gasoline with ALL?

18 A. I will need to see the study.

19 MR. WALTERS: Let's mark this as Exhibit 6.
20 (Whereupon, Pyatt Exhibit No. 6 was marked
21 for identification.)

22 Q. (BY MR. WALTERS) And I'm looking up in the abstract
23 section.

24 A. So, no, based on my understanding of this study, and
25 looking at Tables 7 and 8, I would not agree with

Draft Copy

1 your assessment.

2 Q. All right. Would you agree with the authors'
3 assessment, then, when they state, and I'm looking at
4 Page 640, the last sentence before "Discussion," "The
5 risk of ALL also was elevated when mothers were
6 exposed to gasoline with the odds ratio of 1.7, or
7 pesticides with an odds ratio of 3.5"? Do you agree
8 with the authors' assessment that the ALL is elevated
9 when mothers were exposed to gasoline?

10 A. Those numbers are correct.

11 Q. And those numbers show that the risk of ALL, as the
12 author states, was elevated when mothers were exposed
13 to gasoline, true?

14 A. In a nonstatistically significant manner, yes, they
15 were elevated.

16 Q. Are you aware, from your review of the information on
17 this case, that Justin Detel's mom was exposed to
18 gasoline from the refinery?

19 MS. McELDOWNNEY: Object to the form. Was he
20 aware it's being alleged, or is he aware that's a
21 fact?

22 MR. WALTERS: Either.

23 A. I -- I am aware that it's being alleged.

24 Q. (BY MR. WALTERS) All right. And so if a physician,
25 for example, Dr. -- or any doctor of Justin Detel's,

Draft Copy

1 or any other patient, wanted to find out if mom's
2 exposure to gasoline might elevate the risk of ALL,
3 this paper would support that conclusion, would it
4 not?

5 A. Well, I -- I don't know how every doctor would
6 interpret this data. But I would hope that a doctor
7 would look at this and say, well, that's not a very
8 large increase, 1.7, it's not statistically
9 significant, so I can't rule out the possibility that
10 this is just a chance finding, and go to the
11 literature and look at all the other studies that are
12 in existence that have evaluated this very same
13 question.

14 Q. Well, it was almost a doubling of the risk, wasn't
15 it?

16 A. It's 1.7.

17 Q. This was written by a medical doctor, not a
18 statistician, correct?

19 A. I don't know who these people are.

20 Q. Well, he's got "MD" after his name. Does that sound
21 like a medical doctor?

22 A. Well, if it's a Chinese degree, then it's a different
23 degree than what we have in the United States. But,
24 yes, if it's an American MD, yes, it's an American
25 doctor.

Draft Copy

1 Q. And this appears in an American peer-reviewed
2 publication, doesn't it?

3 A. That doesn't answer the -- my original uncertainty,
4 but -- it's fine. He -- they could be medical
5 doctors, US certified medical doctors.

6 Q. It was statistically significant, the mom's exposure
7 to pesticides, wasn't it?

8 MS. McELDOWNEY: Object to the form.

9 A. I'm not seeing the statistical analysis, but, yes, I
10 would assume that that is.

11 Q. (BY MR. WALTERS) I'm just looking at the last
12 sentence, "The risk of ALL also was elevated when
13 mothers were exposed to gasoline or pesticides," and
14 there was an odds ratio of 3.5 for the pesticides?

15 A. Right.

16 Q. So you would agree that this paper would support the
17 conclusion that at least some chemicals can induce
18 ALL, true?

19 MS. McELDOWNEY: Object to the form.

20 A. I would agree that that is the finding in the study.
21 But I would disagree that it supports an association
22 until you have evaluated the rest of the literature.

23 Q. (BY MR. WALTERS) Do you know if there's benzene in
24 pesticides?

25 A. I don't know that, but I know pesticides is a very

Draft Copy

1 broad class of chemicals.

2 Q. Do you know if there's benzene rings -- structures
3 with benzene rings in pesticides?

4 A. I'm sure there are aromatic rings in some of the
5 structures of pesticides.

6 Q. Are you familiar with the Smulevich paper, looking at
7 parental occupation and risk factors for cancer?

8 A. Yes.

9 Q. And --

10 A. Not familiar enough to where we can talk about
11 specific numbers.

12 Q. All right.

13 (Whereupon, Pyatt Exhibit No. 7 was marked
14 for identification.)

15 Q. (BY MR. WALTERS) I'll hand you what we've marked as
16 Exhibit 7.

17 A. Uh-huh.

18 Q. And ask you -- I'm looking at the next-to-the-last
19 sentence in the abstract. Do you have any reason to
20 disagree with the findings in this paper that there
21 was an increased risk of non-Hodgkin's lymphoma
22 related to maternal exposure to oil products?

23 MS. McELDOWNNEY: Object to the form.

24 Q. (BY MR. WALTERS) And, in fact, was statistically
25 significant on all fronts, with an odds ratio of 3.3,

Draft Copy

1 and a confidence interval of 1.01.3 to 1.7?

2 MS. McELDOWNNEY: Object to the form.

3 I'm sorry. Are you asking if that's what
4 the paper says, or if he agrees with the finding?

5 MR. WALTERS: I'm asking if he has any
6 reason to disagree with those findings.

7 MS. McELDOWNNEY: Object to the form.

8 A. That is what the paper says.

9 Q. (BY MR. WALTERS) All right. And you --

10 A. The only reason why I would have reason to disagree
11 with the findings, and it wouldn't be disagree with
12 the findings, but the interpretation of the findings,
13 is this is not the only study in existence that has
14 evaluated that relationship.

15 Q. Okay. But you have no reason to disagree with their
16 findings.

17 MS. McELDOWNNEY: Object to the form. Asked
18 and answered.

19 A. Yeah, I think I just answered that.

20 Q. (BY MR. WALTERS) Justin Detel had non-Hodgkin's
21 lymphoma, correct?

22 A. Yes.

23 Q. And if, in fact, his mother was exposed to oil
24 products from the refinery, it would be reasonable
25 for a physician to rely on this paper in concluding

Draft Copy

1 that non-Hodgkin's lymphoma is associated with
2 exposure to oil products.

3 MS. McELDOWNEY: Object to the form.

4 If you can answer that.

5 A. Are you asking me if it's reasonable?

6 Q. (BY MR. WALTERS) Yes.

7 A. Then I would say it's not reasonable. If this were
8 the only study in existence, then it would suggest
9 that. But this is not the only study.

10 And even if you accept that this is true,
11 then you have to do a more sophisticated analysis and
12 start looking at the exposure levels, and were the
13 exposure levels that Mrs. Detel had in any way
14 comparable to the exposure levels that were expressed
15 in this paper.

16 Q. (BY MR. WALTERS) Are you familiar with the Dryver
17 paper, "Occupational Exposures and Non-Hodgkin's
18 Lymphoma in Southern Sweden"?

19 A. Yes.

20 Q. And is it not true --

21 A. I'm sorry. If we're going to -- I need to see it.

22 Q. All right. I'll show it to you.

23 A. I am familiar with the study, but not every number.

24 Q. And this was looking at almost -- well, exactly,
25 apparently 859 non-Hodgkin's lymphomas. Does that

Draft Copy

1 ring a bell?

2 A. I'm -- I wouldn't disagree with that, Mr. Walters,
3 but I just -- I don't have it in front of me.

4 Q. Right.

5 And do you have any reason to disagree with
6 their findings that exposure to gasoline and other
7 oil products for more than five years was associated
8 with increased risks of NHL? Isn't that exactly what
9 they reported in this paper (handing document)?

10 MS. McELDOWNNEY: Object to the form.

11 A. That is what they are reporting in the study, yes.

12 Q. (BY MR. WALTERS) And you have no reason to disagree
13 with those findings, do you?

14 A. Only insomuch as they are inconsistent with much of
15 the rest of the literature.

16 Q. Now, you're familiar with, because I saw it in your
17 box, the Buckley paper on pesticide exposures in
18 children with non-Hodgkin's lymphoma?

19 A. I've read that paper, yes.

20 Q. All right.

21 A. Do you want this one back (handing document)?

22 Q. Yes.

23 A. Do you want these back?

24 Q. The ones we didn't mark --

25 A. These are exhibits, so we'll just pile them up.

Draft Copy

1 Q. Leave them there.

2 All right. We'll mark the Buckley paper as
3 Exhibit 8.

4 (Whereupon, Pyatt Exhibit No. 8 was marked
5 for identification.)

6 Q. You're familiar with this paper, correct?

7 A. I have read this paper, yes.

8 Q. Looking under "Results," do you have any reason to
9 disagree with their finding, and I'm going to quote,
10 "A significant association was found between risk of
11 NHL and increased frequency of reported pesticide use
12 in the home."

13 MS. McELDOWNNEY: Object to the form.

14 Q. (BY MR. WALTERS) Do you have any reason to disagree
15 with their finding?

16 A. I'm looking at their data now. Just give me a
17 minute.

18 That is what the study is supporting, yes --
19 reporting.

20 Q. You're aware from review of Justin Detel's medical
21 records, that the form of lymphoma he had was T-cell
22 lymphoblastic lymphoma?

23 A. That's my understanding, yes.

24 Q. Looking over at Page 2318, do you have any reason to
25 disagree with their statement that starts out, "The

Draft Copy

1 greatest ORs..."? "The greatest ORs were observed
2 for household insecticide use in the lymphoblastic
3 lymphoma subgroup..." What does that mean?

4 MS. McELDOWNNEY: Object to the form.
5 If you know.

6 A. Based on this study and their statistical analysis
7 and the surveys of household insecticide use, they
8 found an elevated risk of this particular subtype,
9 lymphoblastic lymphoma.

10 Q. (BY MR. WALTERS) The very form and subtype that
11 Justin Detel suffered from, correct?

12 MS. McELDOWNNEY: Object to the form.

13 A. Well, there -- there is a T and a B form of
14 lymphoblastic lymphoma, and they don't differentiate
15 that in this study.

16 Q. (BY MR. WALTERS) Fair enough.

17 Does this study, in your opinion, support an
18 association between chemicals and lymphoblastic
19 lymphoma?

20 MS. McELDOWNNEY: Object to the form.

21 A. You -- you can't extrapolate out and use the broad
22 term "chemicals," so, no.

23 Q. (BY MR. WALTERS) Well, let's use "insecticides."
24 Does this study support the relationship between
25 insecticide exposure and lymphoblastic lymphoma?

Draft Copy

1 MS. McELDOWNNEY: Object to the form.

2 A. To the extent that this is a single study, and
3 excluding it from the rest of the literature, yes.

4 Q. (BY MR. WALTERS) So you would agree that at least
5 the chemical make-up of insecticides can have an
6 impact on the lymphoid line cell lineage.

7 MS. McELDOWNNEY: Object to the form.

8 A. Are you referring to this paper, or more generally
9 speaking?

10 Q. (BY MR. WALTERS) Well, both.

11 A. Are you talking about toxicologically from
12 insecticide exposure?

13 Q. Well, insecticide exposure is going to have to
14 necessarily, if it's associated, affect the lymphoid
15 cell lineage, isn't it?

16 A. If it's associated with lymphoblastic leukemia, yes.

17 Q. So you would agree that there are at least some
18 chemicals that the lymphocytes are sensitive to.

19 MS. McELDOWNNEY: Object to the form.

20 A. That's a pretty different question than what we were
21 just discussing.

22 Q. (BY MR. WALTERS) You would agree that there are at
23 least some chemicals that create an overproduction of
24 lymphocytes, true?

25 MS. McELDOWNNEY: Object to the form.

Draft Copy

1 A. There are some chemicals that produce an
2 overproduction of lymphocytes?

3 Q. (BY MR. WALTERS) Correct.

4 A. I would not disagree with that.

5 Q. That's what a lymphoma is, isn't it? It's an
6 overproduction of lymphocytes?

7 A. Well, it's not quite that simple.

8 Q. In layman's terms.

9 A. There's a block in differentiation, which is why they
10 live as long as they do. There's many aspects of it.
11 Just a simple overproduction of lymphocytes is not
12 lymphoma. Our lymphocyte count increases every time
13 we get sick, and we don't get lymphoma.

14 Q. An overproduction that forms a tumor.

15 A. Well, that's a different question.

16 Q. I know that. That's the question. An overproduction
17 of lymphocytes that produce a tumor, is, in layman's
18 terms, what a lymphoma is.

19 MS. McELDOWNNEY: Object to the form.

20 A. It's -- yes.

21 Q. (BY MR. WALTERS) Do you know whether or not
22 lymphocytes are sensitive to benzene, in particular?

23 MS. McELDOWNNEY: Object to the form.

24 A. Do you -- what do you mean by "sensitive"?

25 Q. (BY MR. WALTERS) Does benzene have an affect on

Draft Copy

1 lymphocytes?

2 A. At certain concentrations, yes.

3 Q. And what does that affect?

4 A. In occupationally exposed workers, as -- it produces
5 cytopenias, some of which are lymphocytopenias. So
6 it's a suppression of lymphocyte cells.

7 Q. Are you aware of any studies that have reported that
8 lymphocytes are not the most sensitive cell type to
9 benzene?

10 MS. McELDOWNNEY: Object to the form.

11 A. I have seen that argued in papers here and there.

12 Q. (BY MR. WALTERS) And were you a part of a program
13 for the Voluntary Childrens Chemical Evaluation
14 Program in June?

15 A. Yes, Mr. Walters, that's the VCCEP program.

16 Q. You were one of the presenters, correct?

17 A. Yes.

18 Q. BP was a sponsor, right?

19 A. Okay.

20 Q. Well, did you know that?

21 A. I -- I knew there was a long list. I didn't remember
22 specifically.

23 Q. I'm looking at a slide here that has "BP" at the top,
24 and there is a long list: Chevron, Dow, Dupont,
25 Exxon Mobil, Shell, Amoco. Petroleum companies,

Draft Copy

1 basically, right?

2 MS. McELDOWNEY: Object to the form. What's
3 the question?

4 Q. (BY MR. WALTERS) Petroleum companies sponsored this
5 program where you were a presenter.

6 MS. McELDOWNEY: Object to the form. Asked
7 and answered.

8 Q. (BY MR. WALTERS) True?

9 A. And as well as the groups within CMA. That's the way
10 the VCCEP program is set up.

11 Q. So there -- and you, or someone in this program,
12 cites a paper, Rothman. Are you familiar with that
13 paper?

14 A. I am.

15 Q. And Rothman, in fact, concluded that not -- or maybe
16 the study, itself, that not all studies have reported
17 that lymphocytes are the most sensitive cell type,
18 true?

19 A. I don't recall if that was Dr. Rothman's conclusion
20 or not. But I think that was my slide, and I would
21 agree with that.

22 Q. So you would agree that there is disagreement in the
23 medical literature of benzene's effect on the
24 lymphocytes.

25 MS. McELDOWNEY: Object to the form.

Draft Copy

1 A. Well, I think there are some studies that indicate
2 that neutrophils might be more suppressed than
3 lymphocytes, in a study here and a study there. And
4 others suggest that the lymphocytes are the ones that
5 are the most significantly suppressed.

6 THE WITNESS: Would you guys mind if we took
7 another five-minute break?

8 MR. WALTERS: Sure.

9 (Brief recess taken.)

10 (Whereupon, Pyatt Exhibit No. 9 was marked
11 for identification.)

12 Q. (BY MR. WALTERS) Doctor, I'm going to show you what
13 you provided to me as Exhibit 9, which is your
14 invoice. And that covers all your work up through,
15 looks like, August 24th, 2006, is that right?

16 A. I think that's right, yes.

17 Q. I notice on there, nine hours of time reviewing Ryan
18 trial. What did you review from the Ryan trial?

19 A. Well, I -- I looked through a lot of it. I read the
20 deposition of -- I can't remember his name. Wernke?

21 Q. Okay.

22 A. And Bayer, I think, Dr. Bayer. And some of the
23 testimony of, I think Dr. Spencer and Dr. Petty
24 and -- but not all of it.

25 Q. We talked about leukemia. Do you also believe that

Draft Copy

1 benzene can cause aplastic anemia, correct?

2 MS. McELDOWNNEY: Object to the form.

3 A. I believe under high-dose exposure, benzene has been
4 associated with aplastic anemia, yes.

5 Q. (BY MR. WALTERS) And you also believe that benzene
6 can cause myelodysplastic syndrome?

7 A. That is -- that --

8 MS. McELDOWNNEY: Object to the form.

9 A. Under appropriate exposure conditions, high-dose
10 exposure, yes.

11 Q. (BY MR. WALTERS) And those are all illnesses that
12 originate out of the bone marrow, would that be true?

13 MS. McELDOWNNEY: Object to the form.

14 A. By definition, they are, yes.

15 Q. (BY MR. WALTERS) Based on my review of your
16 deposition in the Stubbs case, you do agree that
17 herbicides may present risk for lymphomas, correct?

18 MS. McELDOWNNEY: Object to the form.

19 A. I think that literature is fairly conflicted, and I
20 wouldn't say that it's very strong, but there are
21 studies that have suggested that, yes.

22 MR. WALTERS: I'm done. Thank you.

23 MS. McELDOWNNEY: Can I just state one thing
24 for the record.

25 Don't we maybe be providing Dr. Pyatt some

Draft Copy

1 additional witness depositions for -- that have been
2 taken in this case, that he may review for purposes
3 of his opinions in this case. So we can let you know
4 which depositions they may be.

5 I can tell you right off the bat, I think
6 there were some fact depositions that we haven't yet
7 sent to Dr. Pyatt. And also to the extent that
8 either Drs. Landolph or Dahlgren may have
9 supplemental opinions or identify additional
10 documents that they review or rely upon for purposes
11 of any opinions that they may have at the time of
12 trial, we reserve the right to have Dr. Pyatt review
13 their opinions and express any opinions he may have
14 as to those.

15 MR. WALTERS: Okay.

16 THE REPORTER: Read and sign?

17 MS. McELDOWNEY: Read and sign, please, yes.

18 (Witness excused.)
19
20
21
22
23
24
25

Draft Copy

DAVID PYATT, PhD

STATE OF)
COUNTY OF) SS:

Subscribed and sworn to before me this day of
, 20 .

NOTARY PUBLIC

My Commission Expires:

Caption: JUSTIN DETEL vs. BP CORPORATION NORTH AMERICA
INC., et al.

Reporter: Barbara A. Griffith/John M. Bowen & Associates

Draft Copy

NOTARIAL CERTIFICATE

STATE OF MISSOURI)
)
COUNTY OF JACKSON)

I, BARBARA A. GRIFFITH, Certified Shorthand Reporter for the State of Missouri, do hereby certify that pursuant to Notice to Take Deposition, at the Hotel Boulderado, 2115 13th Street, in the City of Boulder and State of Colorado,

DAVID PYATT, PhD,

came before me, was by me duly sworn to testify the whole truth of his knowledge of the matters in controversy aforesaid, was examined and his examination then written in shorthand by me and afterwards typed, presentment of the deposition to the witness being expressly waived by counsel and the witness, as hereinbefore set forth, on the day in that behalf aforesaid; that the deposition is hereafter, by the witness, to be read over, signed and sworn to; and thereafter, said deposition to be returned.

I further certify that I am not counsel or relative of either party, or clerk or stenographer of either party, or of the attorney of either party, or otherwise interested in the event of this suit.

IN TESTIMONY WHEREOF, I have hereunto set my hand and seal at my office in said County and State, this 25th day of September, 2006.

BARBARA A. GRIFFITH, CSR, CCR
Registered Merit Reporter
John M. Bowen & Associates
911 Main Street, Suite 1930
Kansas City, Missouri 64106

Draft Copy