

1 IN THE CIRCUIT COURT  
2 TWENTIETH JUDICIAL CIRCUIT OF ILLINOIS  
ST. CLAIR COUNTY

3 FRANCES E. KEMNER, et. al. )  
4 Plaintiffs, )  
5 VS. ) NO: 80-L-970  
6 MONSANTO COMPANY, )  
7 Defendant. )

8  
9  
10 REPORT OF PROCEEDINGS  
11 Before the HON. RICHARD P. GOLDENHERSH  
12 JURY TRIAL  
13 March 6, 1986  
14

15 APPEARANCES:

16 Mr. Rex Carr  
17 Mr. Jerome Seigfreid  
On Behalf of the Plaintiffs;  
18 Mr. Kenneth Heineman  
19 Mr. Joseph Nassif  
On Behalf of the Defendant.  
20  
21  
22  
23  
24

Debra M. Musielak, CSR, CM  
Official Court Reporter

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

INDEX

PAGE

WITNESSES CALLED ON BEHALF OF THE DEFENDANT:

1. RAYMOND SUSKIND

Cross Examination. . . . . 2

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

EXHIBITS

Page                      Page  
Identified                Admitted

EXHIBITS SUBMITTED ON BEHALF OF THE DEFENDANT

Defendant's Exhibit No.:

|      |                                   |     |     |
|------|-----------------------------------|-----|-----|
| 1753 | (W. Virginia affidavit) . . . . . | 4   | 5   |
| 1754 | (11/18/55 report) . . . . .       | 61  | 62  |
| 1755 | (7/1/54 report) . . . . .         | 77  | 77  |
| 1756 | (12/1/55 report). . . . .         | 92  | 94  |
| 1757 | (12/55 memo). . . . .             | 120 | 121 |
| 1758 | (Kelly memo). . . . .             | 132 | 134 |
| 1759 | (1/56 survey) . . . . .           | 135 | 137 |

1 BE IT REMEMBERED, that on the 6th day of March,  
2 1986, the same being one of the regular judicial days of said  
3 court, the above-styled cause came on regularly for hearing  
4 before the HONORABLE RICHARD P. GOLDENHERSH, one of the  
5 Judges at the St. Clair County Building, 10 Public Square, in  
6 the City of Belleville, County of St. Clair, State of  
7 Illinois. Whereupon the following proceedings were had:

8 COURT CONVENED:

9 THE COURT: Good morning.

10  
11 RAYMOND SUSKIND

12 (being called as a witness on behalf of the Defendant, having  
13 been previously sworn, having resumed the stand, continued to  
14 testify as follows)

15 CROSS EXAMINATION

16 BY MR. REX CARR

17 Q. Dr. Suskind, we have previously discussed these 36  
18 persons that you followed over the period of four years up to  
19 1953, have we not, sir?

20 A. Yes, we have.

21 Q. And, you did indeed -- you've told us here earlier  
22 that you did not follow those persons, have you not, sir?

23 A. The 36?

24 Q. Yes.

1           A.   We did have an opportunity to examine some of them  
2 in 1979.

3           Q.   No, no, no. I'm talking about up to '53, you've.  
4 told us here earlier that from '49 to '53 that you did not  
5 follow those 36 persons?

6           A.   Except in 1950 we did. In 1950 we followed up four  
7 plus two of the original 49, yes.

8           Q.   But in your -- if you refer to Plaintiff's Exhibit  
9 1751, you say here that 36 persons were followed over a  
10 period of four years?

11          A.   I didn't follow the 36, sir.

12          Q.   I think that's what you stated previously that you  
13 did not follow the 36?

14          A.   I followed some of them.

15          Q.   Now, Doctor, you have made the statement here and  
16 you have made the statement -- well, for that matter, under  
17 oath, that you followed these 36, by here I mean in Exhibit  
18 1727, you make the statement that --

19          A.   I didn't say I followed, sir. It says 36 persons  
20 were followed. Doesn't use the word I.

21          Q.   Well, Doctor, you have sworn in other places that  
22 you followed, did you not?

23          A.   No, I don't think so.

24          Q.   Sir?

1           A.    No.

2           THE COURT:  I'm sorry, Doctor, I didn't hear your  
3 answer.

4           A.    No, sir.

5           THE COURT:  Thank you.

6           Q.    (by Mr. Carr)  Doctor, you recall you gave an  
7 affidavit in the case in the Federal Court in Charleston,  
8 West Virginia, that was being tried there?  Mark this as an  
9 exhibit.

10           MR. CARR:  This is the only copy I have here this  
11 morning, counsel, so -- the pertinent portion is where the  
12 yellow tab is, Counsel, to save you some time.

13           THE COURT:  What's the number on that, Mr.  
14 Heineman?

15           MR. NASSIF:  1753.

16           THE COURT:  Thank you.

17           Q.    (by Mr. Carr)  Doctor, you recognize your signature  
18 on this affidavit that's part of 1753, do you not?

19           A.    Yes, I do.

20           Q.    You recognize it as an affidavit that you made in  
21 the case of the Estate of James Atkins vs. Monsanto Company  
22 in the Federal Court in the Southern District of West  
23 Virginia at Charleston, do you not, sir?

24           A.    Yes, I do.

1 Q. Doctor, if you'll turn --

2 MR. CARR: Your Honor, may I offer this exhibit  
3 into evidence, if it please the Court?

4 THE COURT: Any objections?

5 MR. HEINEMAN: One moment, Your Honor. I don't  
6 have any objection.

7 THE COURT: All right. It's admitted without  
8 objection.

9 Q. (by Mr. Carr) Doctor, turning to the page with the  
10 yellow tab, in the bottom of Paragraph 6, I'd like you to  
11 read out loud, if you would, that last paragraph, that last  
12 sentence in Paragraph 6.

13 A. It reads, "Over the next four years, I, along with  
14 colleagues in the Kettering Laboratory, followed the health  
15 effects of 37 of the Monsanto workers."

16 Q. Doctor, that is your statement under oath, is it  
17 not?

18 A. It is my statement, sir, yes.

19 Q. And you said in that statement under oath that, I,  
20 along with colleagues, followed these 37 workers, did you  
21 not, sir?

22 A. Yes.

23 Q. And that is in direct contradiction to what you  
24 said this morning and the day before yesterday, is it not,

1 sir?

2 A. No.

3 Q. Doctor, did you not say this morning before I gave  
4 you the affidavit that you did not follow these workers?

5 A. Personally, yes.

6 Q. And do you not say here I followed these workers?

7 A. I, along with colleagues of the Kettering  
8 Laboratory.

9 Q. Along with, you and your colleagues at the  
10 Kettering Laboratory followed the health effects of these 37  
11 workers, did you not say that, sir?

12 A. Yes.

13 Q. You said I followed them, didn't you, sir?

14 A. Yes.

15 Q. That is in direct contradiction?

16 A. No, sir.

17 Q. Sir?

18 A. No, sir.

19 Q. Doctor, is this some kind of new speak? Did you  
20 not tell us before I showed you the affidavit that you did  
21 not follow these workers?

22 A. No, it's not.

23 Q. Didn't you tell us that?

24 A. No, it isn't a contradiction.

1           Q.   Excuse me. Did you not say that when I started  
2 this examination this morning of you, and have you not said  
3 it the day before yesterday that I did not follow these  
4 workers? Did you not say that?

5           A.   Personally, right.

6           Q.   And in this affidavit you say I followed these  
7 workers, don't you, sir?

8           A.   Yes.

9           Q.   Now, Doctor, do you see a statement I did not  
10 follow the workers is directly contrary to the statement I  
11 followed the workers?

12          A.   No, sir.

13          Q.   You don't see any contradiction between those two  
14 statements, I did not follow workers, I did follow the  
15 workers?

16          A.   No, if I can explain --

17          Q.   Doctor, it calls for no explanation.

18          A.   Yes, it does, sir.

19          Q.   It's like you are saying the other day that the  
20 word yes means no, isn't that what you are saying, Doctor?

21          A.   No, sir.

22          Q.   It's not like what you said the day before  
23 yesterday, yes means no?

24          A.   No, sir.

1           Q.    Doctor, if I tell you I gave you this pin and then  
2 ten minutes later I say I did not give you this pin, do you  
3 consider that that is a contradictory statement?

4           A.    No, sir.

5           Q.    You don't consider that contradictory. All right.  
6 Doctor, you also -- we have been discussing Plaintiff's 1752,  
7 do you have 17 -- just one moment, Doctor. You gave that  
8 affidavit in the Federal Court for a particular purpose and  
9 at the request of the Monsanto attorneys in that case, did  
10 you not, sir?

11          A.    Yes, I believe so.

12          Q.    Yes, the plaintiffs in that case wanted something  
13 of the Court and the defendant in that case, Monsanto wanted  
14 not to give them that something, and Monsanto attorneys came  
15 to you and asked for an affidavit and that affidavit you have  
16 in front of you is the affidavit you gave, is it not, sir?

17          A.    Yes, it is.

18          Q.    And they used that affidavit for their purposes to  
19 defeat the request of the Monsanto workers, did they not,  
20 sir?

21          A.    No, sir.

22          Q.    They didn't use that affidavit at all?

23          A.    They used it, but not -- this wasn't part of the  
24 actual trial, sir.

1           Q.   Excuse me. Did they use that affidavit to defeat  
2 the request of the workers in that case?

3           A.   No, sir, not to my knowledge.

4           Q.   Did they just use that affidavit just as exercise  
5 of how to write affidavits?

6           A.   This was to identify my expertise, sir.

7           Q.   Doctor, you know it was more than that, it was --  
8 That's what it was for, sir, if you look through it, that's  
9 what it's for. Doctor, it was for the purpose of defeating  
10 their request?

11          A.   Absolutely not, sir.

12          Q.   Oh, Doctor.

13          A.   If you look through this, this simply identifies my  
14 expertise and my experience. That's all it does, and my CV  
15 is attached to it.

16          Q.   I know your CV is attached to it.

17          A.   What it does, sir. --

18          Q.   Excuse me, Doctor, let me ask you the question,  
19 please.

20          A.   I'm simply responding to your question, sir.

21          Q.   I submit you are not. Doctor, read the last -- if  
22 you want to -- the last half dozen statements in which you  
23 are resisting giving out the release of this morbidity study  
24 on your part. You say premature release of any part of my

1 study will in all probability render the study unpublishable,  
2 do you not?

3 A. I do, and that was true.

4 Q. Excuse me. Do you not say, I state from my own  
5 personal knowledge and based upon personal experience that  
6 the most prestigious journal, such as the Journal of American  
7 Medical Association and New England Journal of Medicine  
8 refuse for publication any studies which have been released  
9 prior to submission for publication. Don't you say that,  
10 sir?

11 A. I do, sir.

12 Q. And don't you say again that I have no plans to  
13 testify voluntarily on behalf of either plaintiffs or  
14 defendant's in the within lawsuit and would refuse any  
15 proffered offer of compensation as an expert at least unless  
16 my study was published prior to the trial, and I was  
17 therefore confident of validity of my results and confident  
18 that publication would not be jeopardized. I would resist  
19 the disclosure or use of my study by either plaintiff or  
20 defendant prior to the time I released the final version of  
21 the study for publication. Do you not say that, sir?

22 A. I do, sir.

23 Q. And, Doctor, that's Paragraph 20, and Paragraph 19  
24 tells -- discusses that this study itself will be one of

1 considerable significance and interest and premature  
2 publication would have an adverse effect on your reputation,  
3 isn't that what you say?

4 A. I do indeed.

5 Q. Paragraph 18 says again, submission for publication  
6 will provide a further check upon the reliability of my study  
7 conclusions. Paragraph 17 says premature release of any part  
8 of my study will render the study unpublishable. 16 --  
9 Paragraph 16 says, I do not consider my tentative study to be  
10 supportable without further analysis. Release of my  
11 tentative study results will inevitably result in public  
12 debate. It is anticipatable that the company will attempt to  
13 attack the credibility of conclusions unfavorable to its  
14 position, and the plaintiffs will likewise seek to cast  
15 doubt. Paragraph 15 you discussed meeting with the  
16 representatives of NIHS and drafting the document. Paragraph  
17 14 talks about the data in the preliminary draft. Paragraph  
18 13 discusses the epidemiological study that you performed.  
19 Paragraph 12 talks about the medical examinations on the  
20 participants including the plaintiffs. Paragraph 11 talks  
21 about the purpose of the study was to ascertain the long-term  
22 health effects of exposure to 2,4,5-T. Paragraph 10 talks  
23 about the study protocol which you developed. Paragraph 9  
24 talks about you and Monsanto working together to conduct the

1 study. Paragraph 8 talks about your continued interest in  
2 the long-term health effects of 2,4,5-T going back to 1949.  
3 Paragraph 7 talks about the clinical examination in 1953.  
4 Paragraph 6 talks about the Nitro accident and your following  
5 these workers up to 1953. Paragraph 5 talks about the  
6 accident that occur. Paragraph 4 talks about the accident  
7 that occurred. Paragraph 3 talks about your tenure at the  
8 University of Oregon. Paragraph 2 talks about your tour as a  
9 medical officer, and Paragraph 1 talks about your employment  
10 from '69 to present.

11 Now, Doctor, was not -- have I not accurately  
12 summarized all of the paragraphs of this affidavit, sir?

13 A. I believe you have.

14 Q. And isn't the affidavit for the purpose of and used  
15 so that you would not be required by the Court to turn over  
16 to the Plaintiffs the results of your so-called morbidity  
17 study?

18 A. It is a study--

19 MR. HEINEMAN: Objection, Your Honor. May counsel  
20 approach the bench?

21 (The following Side Bar conversation was had outside the  
22 hearing of the jury.)

23 MR. HEINEMAN: Your Honor, I think that last  
24 question is misleading because I think if I'm not mistaken

1 that this document was prepared and drafted by the University  
2 of Cincinnati physicians, excuse me, physicians, lawyers.

3 THE COURT: Yeah, I know what you mean.

4 MR. HEINEMAN: University of Cincinnati lawyers to  
5 prevent his disclosure of this data to anybody, whether it be  
6 the Plaintiffs or the Defendant or anybody else. And I think  
7 that became clear from what Mr. Carr read from it and,  
8 therefore, I object to that question as being misleading.

9 MR. CARR: The purpose of the question, the  
10 examination, is to attack the statement that this was not  
11 used to prevent publication of the data. He said that it was  
12 only to show his CV, to show his credentials. The purpose of  
13 all of those questions that I just asked is for the purpose  
14 of showing that again is not telling the truth.

15 MR. HEINEMAN: Well, then there should be certainly  
16 no reason why you shouldn't preface it by saying --

17 THE COURT: Let me look at the affidavit, I haven't  
18 seen it.

19 MR. HEINEMAN: Either to the -- for the purpose of  
20 doing it either to the Plaintiff or to the Defendant.

21 THE COURT: I think that was read.

22 MR. CARR: Indeed, I read it.

23 THE COURT: That paragraph was read, that was one  
24 of the things read before.

1           THE COURT: I think whether it's prepared by the  
2 University of Cincinnati attorneys or whether it's prepared  
3 by Monsanto attorneys, the point is the use of it. I think  
4 who actually prepares it is irrelevant.

5           MR. CARR: Monsanto and the witness has agreed that  
6 it was used by Monsanto.

7           MR. HEINEMAN: I think the fact of the matter is,  
8 Your Honor, that it was -- and I can't answer for what  
9 happened at Nitro. All I can say is that to my recollection  
10 a similar affidavit, similar position was taken by the  
11 University of Cincinnati on behalf of Dr. Suskind in the  
12 Madison County cases where Mr. Pratt was seeking to obtain  
13 data prior to the publication of the morbidity study.

14          THE COURT: Right, and I got copies of that, too,  
15 and I -- when I got involved in the discovery questions that  
16 were submitted similar to that, but the point is whether it  
17 was done by the University attorneys with Monsanto or whether  
18 Monsanto utilized their position is really not -- it's a  
19 tangent to the way that Mr. Carr's been asking the  
20 questions. I don't think it's misleading, and your objection  
21 is overruled.

22 (The following proceedings were had in open court.)

23          Q. (by Mr. Carr) Doctor, this affidavit was not used  
24 to illustrate your credentials, it was used in order to

1 prevent the release of the data of your morbidity study, was  
2 it not, sir?

3 A. It was one of the things that it did, sir.

4 Q. Isn't that the purpose of the affidavit, sir, to  
5 prevent the release of your morbidity study?

6 A. That was one of them, sir, yes.

7 Q. What other purpose was there as shown by this  
8 affidavit, sir?

9 A. Well, to identify my expertise and the fact that I  
10 had done a morbidity study, sir, and the importance of that  
11 morbidity study.

12 Q. Doctor, was there any -- have you finished?

13 A. Yes.

14 Q. Was there any question but what -- that you  
15 testified in that case in the Nitro case at the request of  
16 Monsanto and relating to that morbidity study when they put  
17 on their defense, under the terms of the contract that you  
18 had with them, sir?

19 A. Yes.

20 Q. Yes?

21 A. I testified after the publication of that paper,  
22 sir.

23 Q. Indeed you did, Doctor.

24 A. Indeed I did.

1 Q. In behalf of the defendant, did you not, sir?

2 A. And I testified as an expert witness.

3 Q. Excuse me. In behalf of the defendant, did you  
4 not, sir?

5 A. Yes, sir, as an expert witness.

6 Q. And under the terms of that 1979 contract that we  
7 have previously referred to, isn't that correct also?

8 A. At my discretion, sir.

9 Q. Excuse me, sir, isn't it also correct that you  
10 testified under the terms of that 1979 contract?

11 A. Yes, sir.

12 Q. Yes.

13 A. At my discretion.

14 MR. CARR: Your Honor, could the jury be instructed  
15 to disregard the continued volunteered statement of Dr.  
16 Suskind?

17 MR. HEINEMAN: May I object to that on the basis  
18 that the contract says it's at his discretion.

19 MR. CARR: Then that includes under the question  
20 that I asked, doesn't it, sir?

21 THE COURT: Objection is overruled. That was a  
22 volunteered statement. It was not responsive to the  
23 question. The jury is ordered to disregard it. Doctor,  
24 please confine your answers in the future to the question

1 that's asked.

2 A. Yes, sir.

3 Q. (by Mr. Carr) Doctor, for whatever purposes this  
4 affidavit was utilized, you swore at that time that you had  
5 followed these workers, did you not, sir?

6 A. He indicated that we had, yes.

7 Q. Now, Doctor, did you indicate that you had, or did  
8 you say under oath I along with my colleagues followed these  
9 workers?

10 A. Yes.

11 Q. Yes what, sir?

12 A. That's what the affidavit says.

13 Q. My question gave you an alternative. Which  
14 alternative, sir, did you just indicate it or did you in fact  
15 say I told these health effects of these workers?

16 A. It's stated as I followed, I and my colleagues  
17 followed.

18 Q. And that's what you indeed said, I followed, didn't  
19 you, sir?

20 A. Yes, it did does.

21 Q. And you wanted the Court to believe that at that  
22 time, did you not, sir?

23 A. That is a description of what I believed should be  
24 known, sir.

1           Q.   Dr. Suskind, that isn't what I asked you. I asked  
2 you whether or not you wanted the Court to believe what you  
3 said at that time?

4           A.   Yes.

5           Q.   You expected the Court to rely upon the absolute  
6 truthfulness of what you said at that time, is that not  
7 correct?

8           A.   That was the truth.

9           Q.   Sir?

10          A.   That was the truth, sir.

11          Q.   My question is you expected the Court and wanted  
12 the Court to rely upon the absolute truthfulness of what you  
13 said in that affidavit, isn't that correct, sir?

14          A.   That's true, right.

15          Q.   Just as when you said here today and day before  
16 yesterday that I did not follow these workers, you want this  
17 Court, you wanted this Court to believe and rely upon the  
18 absolute truthfulness of that statement?

19          A.   That's true, sir.

20          Q.   And whatever the particular climate that appears,  
21 you say what you want a particular Court at a particular  
22 point in time, what you want that Court to believe, is that  
23 what you --

24          A.   Absolutely not, sir.

1 Q. Isn't that what you've done, sir?

2 A. No, sir.

3 Q. And you said in the Nitro case, I followed the  
4 workers because you wanted the Court to believe that, you  
5 said in this case that you did not follow the workers because  
6 you wanted this Court to believe that statement, isn't that  
7 what you have said here, sir?

8 A. No, sir.

9 Q. Did you want the Nitro Court to believe what you  
10 said there, sir?

11 A. Yes, sir.

12 Q. And did you say something different there than what  
13 you said here, sir?

14 A. No, sir.

15 Q. Doctor, are you saying that you told the Court in  
16 Nitro that you did not follow the workers or did you tell the  
17 Court in Nitro that you did follow the workers?

18 A. We said that we did.

19 Q. And you told the Court here that you did not follow  
20 the workers, didn't you, sir?

21 A. Yes, we did.

22 Q. All right. Now, Doctor, you wanted us to believe  
23 that you did not follow the workers, correct, sir?

24 A. No.

1           Q.   Then why did you say it if you didn't want us to  
2 believe it?

3           A.   Because it needs an explanation, sir.

4           Q.   Didn't you just --

5           A.   Let me finish.

6           Q.   Doctor, let me finish my question, sir. Didn't you  
7 just --

8           A.   You are asking two questions.

9           MR. CARR: Your Honor, would you ask the witness to  
10 refrain from answering until I finish my question?

11           THE COURT: Doctor, the only way you can respond to  
12 the question is as I asked you previously is if you hear the  
13 whole question. Please wait until it's finished.

14           A.   Yes, sir.

15           Q.   (by Mr. Carr) Doctor, did you just not tell us a  
16 moment ago that you wanted us to believe that you did not  
17 follow these workers?

18           A.   Yes, I did.

19           Q.   And you wanted the Nitro Court to believe -- the  
20 Federal Court to believe that you did follow the workers,  
21 isn't that correct, sir?

22           A.   No, sir.

23           Q.   Didn't you just tell us a moment ago that you  
24 wanted the Nitro Court to believe that you did follow the

1 and the non-exposed? By no stretch of the imagination.

2  
3 (Defendant Monsanto's Exhibit 1709 was marked  
4 for identification by the court reporter.)  
5

6 Q (By Mr. Heineman) Next, Doctor, I have  
7 Defendant's Exhibit 1709, which is a copy of Plaintiff's  
8 Exhibit 1474, which is in evidence. I'd like to ask you do  
9 you agree with the information contained in this exhibit?

10 A Well, the same problem of title can be applied to  
11 this exhibit, and that is that it really misrepresents the  
12 numbers of cancers from any cite because of the way the  
13 computer print-out was programmed and the lumping of the  
14 tumors and cancers together, and the putting the unexposed  
15 people with cancer which was reported by us into the exposed  
16 group with cancer. So that this omitted number is very  
17 inaccurate.

18 MR. CARR: I'm sorry, Dr. Suskind, but I can't  
19 hear you, or I don't know what you're doing.

20 THE WITNESS: I'm sorry. What I'm saying is  
21 that --

22 MR. CARR: I just wanted to see what you put the  
23 mark on.

24 THE WITNESS: 13 was the number of cancers

1 omitted.

2 MR. CARR: Thank you. Thank you.

3 THE WITNESS: I'm writing that because I think  
4 that's an erroneous conclusion and is very misleading.

5 Q (By Mr. Heineman) Now --

6 A Now, as I indicated to you, there were two  
7 cancers, skin cancers that the physician didn't pick up on  
8 history, and if you add to that, if you add to that, the  
9 basal cell epithelioma, which we did pick up, and our  
10 biostatustician put them in another category, if you add  
11 them to that, what you have is an additional four more skin  
12 cancers. That's all. Four more skin cancers.

13 We ask, well, is that significant when it comes to  
14 the relationship association between exposure and skin  
15 cancer. The answer is no, it's not significant. When you  
16 add four more to that, you get 18 instead of 13. That's  
17 still not significant.

18 What is most important is that we don't have in  
19 this table here, the table with the erroneous title, we don't  
20 have in here any mention of age.

21 One of the things that we did refer to earlier in  
22 our testimony, Mr. Heineman asked me about a paper which I  
23 wrote about pre-malignant and malignant lesions of the skin,  
24 which was given at a meeting of the Association for the

1 Advancement of Science, and published in a book. But in  
2 that, the first part of it is about the affect of sunlight  
3 on skin and the affect of aging on skin with respect to skin  
4 cancer.

5 Statistics are some of the most -- some of the  
6 best that we have about non melanoma in relation to  
7 latitude, in relation to insolation, i-n-s-o-l-a-t-i-o-n,  
8 which means the degree of exposure or the severity of the  
9 exposure to actinic radiation or sunlight. There's a direct  
10 relationship between the amount of exposure to sunlight, the  
11 age of the individual and the coloration of the skin.

12 Coloration of the skin.

13 In our report because -- we separated the non  
14 Caucasians and we didn't analyze that data because we would  
15 have had to analyze them separately, and there were too few  
16 of them. So we are talking about Caucasian males here,  
17 essentially. In Caucasian males it's very well known about  
18 the relationship of actinic radiation and age to the  
19 frequency of skin cancer. TCDD is not one of those  
20 substances that neither initiates or promotes skin cancer.

21 Q Dr. Suskind, what about -- there is a, first item  
22 there talks about bladder cancers. Do you see that, sir?

23 A Yes.

24 Q Okay. Are there three omitted bladder cancers in

1 your analysis?

2 A Well, two of them, Mr. Volz, Mr. Hill, had bladder  
3 tumors, proven bladder tumors. Mr. Reynolds had a bladder  
4 cancer, but he was unexposed. So that this three additional  
5 bladder cancers is erroneous among the exposed.

6 Q How many bladder cancers were omitted?

7 A There were none omitted to my knowledge. We  
8 included bladder tumors, which is what any person knowing  
9 anything about bladder reactions to chemical agents knows  
10 that there are and it could be irritation. Only a few  
11 people got bladder cancer. Some of them developed tumors  
12 like Mr. Volz, but they never became cancerous.

13 Q All right, sir. What about the colon cancer  
14 category?

15 A Well, here I think, as I indicated earlier, the  
16 colon cancer was the one that I think they referred to, that  
17 is refer to in this as Mr. Scarberry. Mr. Scarberry had a  
18 colon tumor.

19 There are adenomas, there are cysts, there are any  
20 number of pathological states which are called tumors, which  
21 are not cancers of the colon.

22 Q And --

23 A So this, I think, comes out, and I think we've  
24 been through the skin cancers, the prostate cancer and the

1 leukemia is one individual. The lung cancer omitted is the  
2 same as the colon cancer. It was a tumor. And one  
3 develops cysts in the lungs, one develops adenomas, one  
4 develops a variety of lesions in the lungs which are not  
5 cancerous.

6 Q In your opinion regarding Mr. Scarberry's colon  
7 and lung cancer derived from the medical record form -- not  
8 the medical record --

9 A The physician's history.

10 Q The physician's history in 1704.

11 A Whatever the exhibit number is. Yes, from 1704,  
12 on page 21, 22, and 23.

13 Q And what is there about that physician's history  
14 that leads you to that conclusion about Mr. Scarberry?

15 A Well, according to Mr. Scarberry's record the  
16 physician indicated that when he took a history Mr.  
17 Scarberry was asked have you ever had cancer. He said, "No,  
18 I haven't." He said, "I had a tumor removed from my lung,  
19 and I had a tumor removed from my colon, but it wasn't  
20 cancer. The doctor told me so." That's in Mr. Scarberry's  
21 record.

22 Q All right, sir. Thank you, Dr. Suskind. I'd like  
23 to ask you next, if I may, with respect to the Krumrich  
24 Plant. Are you all right? Okay.

1           MR. HEINEMAN: Your Honor, at this time we would  
2 offer Defendant's Exhibits 1708 and 1709 into evidence.

3           THE COURT: Okay. Any objections?

4           MR. CARR: None, your Honor.

5           THE COURT: They're both admitted without  
6 objection. While she's looking for that, gentlemen, could  
7 you approach the bench for a minute please.

8  
9           (The following proceedings were had at the bench  
10 out of the hearing and presence of the jury:)

11  
12          THE COURT: At the end today I'm going to announce  
13 these days off and I'm not going to announce the 5th yet  
14 because there's a possibility that we may get him finished  
15 before that. If so, we could use that day with another  
16 witness on the stand.

17          Also in March there are two court holidays, the  
18 18th, which is the primary election day, and the 28th, which  
19 is Good Friday. So the 18th and the 28th are court  
20 holidays. The courthouse will be closed on both of those  
21 days. That's in addition to the ones that we had talked  
22 about in chambers.

23          MR. HEINEMAN: Okay.

24          THE COURT: I haven't forgotten about the 5th, but

1 I'm not going to announce it yet.

2

3 (The following proceedings were had in the  
4 presence and hearing of the jury:)

5

6 Q (By Mr. Haineman) Dr. Suskind, in connection with  
7 the Krumrich Plant, are you familiar with the William G.  
8 Krumrich Plant in Souget, Illinois?

9 A Yes, I am.

10 Q Did you have occasion to perform any work with  
11 respect to that plant, sir?

12 A Yes, I did.

13 Q I wonder if you'd tell us what it was that you  
14 did.

15 A Sometime in the summer or early fall of 1979 Dr.  
16 George Roush, who is the medical director of Monsanto,  
17 called me on the phone and indicated that he would like to  
18 have a health status examination of workers who were exposed  
19 to pentachlorophenol at Souget, and those who were exposed  
20 to orthochlorophenol and parachlorophenol synthesis, as well  
21 as those who were exposed to both. They had records of  
22 chloracne among the workers in pentachlorophenol, and they  
23 wanted some assessment from somebody who knew a little bit  
24 about chloracne, and the relationship to exposure to examine

1 these individuals.

2 So in October of 1979 we put a small team together  
3 and we examined 106 workers in that plant. We actually  
4 interviewed 115, but 106 completed their physical  
5 examination and their laboratory studies.

6 Q Dr. Suskind, was that an epidemiological study?

7 A No, it was not, sir. We did not have a control  
8 group. What we were simply doing is to determine what the  
9 current health status was of these individuals at the time,  
10 with particular attention to their skin problems.

11 We thought it would be a good idea since we were  
12 there that we could also do some laboratory studies as well.  
13 So we got blood and urine for examination, and we did that.

14 Q Was there any information available, sir, at that  
15 time with respect to the toxicity of pentachlorophenol,  
16 orthochlorophenol and parachlorophenol themselves?

17 A There was some. By 1979 it was known that  
18 pentachlorophenol would cause chloracne. That was known.  
19 Exposure to it in a variety of ways. That very high doses,  
20 high exposure would actually lead to severe problems,  
21 central nervous system problems, respiratory difficulties,  
22 sweating, and including coma from the central nervous system  
23 effects, and even death as a result of heavy exposure to  
24 pentachlorophenol.

1 But in most instances the people who had some  
2 contact with 10 percent solutions of pentachlorophenol, for  
3 example, would develop skin irritation or respiratory  
4 irritation. Below 11 percent there was usually none. There  
5 were even occasional reports of so called allergic  
6 synthetization pentachlorophenol.

7 With respect to ortho and parachlorophenol, at the  
8 time there was very little known about it, except that it  
9 was relatively innocuous. These were relatively innocuous  
10 materials, and that they might with sufficient exposure  
11 cause irritaion and even there were reports of allergic  
12 reactions to them.

13 The pentachlorophenol at that time, I believe, it  
14 was known that the contaminants, the hepta, penta, and octa  
15 -- I mean penta -- sorry -- hexa, hepta, the 6th, 7th, and  
16 octachlorinated dioxins.

17 Q All right. Did you have any information at that  
18 time as to whether or not there were any tetra dioxins found  
19 in pentachlorophenol?

20 A Yes. Those people who were doing the analyses did  
21 not find any tetrachlorinated dioxins in pentachlorophenol.  
22 They found the hexa and the hepta, and the octachlorinated  
23 phenols.

24 Q Now, can you tell us in general terms, sir, how

1       you went about conducting this clinical survey?

2           A     Well, there were 115 persons who volunteered, and  
3       as the medical department, which was a very efficient one, I  
4       believe, set up a schedule for us to do examinations. We  
5       did a clinical examination and then drew blood and got urine  
6       for laboratory studies.

7           Q     Did you administer a questionnaire?

8           A     We had an interview form very much like that which  
9       we used in Nitro, which provided us with the demographic  
10      information, that is their sex, and age, and ethnic origin  
11      and marital status, and so on, as well as their occupational  
12      histories and hygienic histories, and family histories of  
13      illness, and their personal history of illness and then a  
14      section on the medical examination itself.

15

16                   (Defendant Monsanto's Exhibit 1710 was marked  
17                   for identification by the court reporter.)

18

19           Q     (By Mr. Heineman) Let me hand you, sir, what's  
20      been marked as Defendant's Exhibit 1710 and ask you to  
21      examine that and identify it for us, please.

22           A     1710 is a replica of the examination form,  
23      including the informed consent, which we used in the  
24      examination of workers at the Krumrich Plant.

1           Q   Now, who was it that went down and did this  
2 examination?

3           A   Well, we had two nurses who did the interviewing,  
4 and we had two dermatologists besides myself who helped out  
5 in the physical examinations.

6           Q   What about the laboratory work that was done?

7           A   The laboratory -- the gathering of the samples for  
8 the lab work were done by a representative of Medpath who  
9 came specifically to do that. So that he essentially  
10 collected the -- collected the urine in the blood and  
11 packaged them for shipment to the laboratory, to the Medpath  
12 laboratory.

13          Q   Were any records available to you when you  
14 conducted this?

15          A   Yes, there were. As a matter of fact they were  
16 very useful. The medical department's clinical records were  
17 available. The workers were available. The safety records  
18 were available.

19          Q   Now can you tell us something about the population  
20 that you studied on that occasion, sir?

21          A   Yes. As I say, there were 106 persons who  
22 completed the examination, six of those actually were  
23 exposed to the ortho and para process alone, and there were  
24 a hundred others who were exposed to the pentachlorophenol

1 and/or both the penta and the ortho and parachlorophenol.

2 Q All right. Now what department was penta in and  
3 what department was ortho in?

4 A They were numbered and the departments in which  
5 penta had been made, as a matter of fact the penta process  
6 had ceased production about a year before we got there, but  
7 the ortho and para process was still being used, or still  
8 going on. The penta process had been in Building 26. The  
9 ortho and para process in 237. And actually the 106 there  
10 were 44 workers in pentachlor -- who were in  
11 pentachlorophenol alone, and there were 56 in the combined  
12 penta -- they worked sometimes in penta and sometimes in  
13 ortho and parachlorophenol. 56 of those. And six in the  
14 ortho and parachlorophenol alone.

15 Q And did you find an incidence of chloracne in the  
16 group?

17 A Yes, we did. During our examination we found that  
18 65 percent of the group had a history of chloracne, and that  
19 42 percent still had some chloracne, but when we got there  
20 we found that of that 42 percent, if you split them up into  
21 severity categories, 29 percent of the 42 percent was mild  
22 and 13 percent of the 42 percent was moderate.

23 THE COURT: I'm sorry, I didn't get the last part.

24 THE WITNESS: Sorry?

1 THE COURT: The last figure you had.

2 THE WITNESS: Okay. 29 percent of that 42 percent  
3 that had chloracne was mild.

4 THE COURT: Right.

5 THE WITNESS: And 13 percent was moderate.

6 THE COURT: Thank you.

7 Q (By Mr. Heineman) Was there any chloracne, sir,  
8 in the people who worked in Department 237 alone?

9 A We didn't find any chloracne among the six that we  
10 had to examine. There was one individual who had acne  
11 vulgaris, obviously who claimed that the ortho and para  
12 process might have aggravated it. But he didn't have  
13 chloracne.

14 Q Now with the chloracne that you observed at  
15 Krumrich, how would you compare that, if you would please do  
16 so, to the chloracne that you found at Nitro?

17 A Well, I think as one can by reading the paper in  
18 the AMA recognize that over -- even over the long term those  
19 who were exposed to TCDD had much more severe acne. Their  
20 level of severity was much greater than we saw. If one has  
21 the kind of chloracne that we saw in 1949, and even '50, a  
22 year after the accident, to persons exposed to  
23 pentachlorophenol as recent as a year before, there was no  
24 comparison in its severity.

1           The chloracne in the Souget Plant was largely of a  
2 mild kind and there were a few who had moderate. But  
3 nothing like that which we saw in the early days of the  
4 Nitro Plant.

5           Q     Were there any systemic manifestations reported to  
6 you or observed by you at the Krumrich Plant?

7           A     No, there were none.

8           Q     How would you characterize the health of the  
9 people whom you examined?

10          A     I would characterize them as a rather healthy  
11 group, some of whom had chloracne, and some of them in the  
12 past for their chloracne left or were reassigned to another  
13 job in order to avoid any exposure to pentachlorophenol.  
14 But as I indicated, the severity was of a much lower order.  
15 The complaints that they had were really only relating to  
16 their skin.

17                Now we asked them about, as you'll see in the  
18 outline, we asked them about other symptoms, and when we did  
19 that, what we were asking was have you ever been bothered  
20 with any of the following conditions. That didn't mean  
21 recently, it meant have you ever been bothered with things  
22 like headaches and high blood pressure, and bronchitis, and  
23 so on.

24                We asked about those. There were a few who said

1       yes, they had bronchitis, or occasionally had bronchitis, or  
2       occasionally had a headache, and recognized that they were  
3       like other people, like you and myself who occasionally have  
4       headaches.

5               Q     Did you prepare any kind of a report in connection  
6       with this survey?

7               A     We did prepare a draft report in 1980. This was  
8       kind of a summary of what we got out of the computer in the  
9       beginning. We felt that it was useful for Dr. Roush to know  
10      what we found. However, it was only a draft report.

11              Q     Let me hand you what's been marked as Plaintiff's  
12      Exhibit 1500, which is in evidence, sir, and ask you to  
13      identify that for the jury, please.

14              A     This is the report that I've been referring to, the  
  
15      one we sent to Dr. Roush, which is a draft report on the  
16      Krumrich worker examination.

17              Q     Have you done any analysis with respect to the  
18      findings from that survey since that report was written,  
19      sir?

20              A     Yes, we have.

21              Q     And have you provided a summary of that analysis?

22              A     I provided a summary of that analysis, yes.  
23  
24

1 (Defendant Monsanto's Exhibit 1711 was marked  
2 for identification by the court reporter.)  
3

4 Q (By Mr. Heineman) Let me hand you, sir, what's  
5 been marked as Defendant's Exhibit 1711 and ask you to  
6 examine that and just identify it for me initially, sir.

7 A Yes. This is a summary which we prepared after we  
8 had re-examined the data --

9 MR. CARR: May I see it, Counsel, before you make  
10 reference to it?

11 MR. HEINEMAN: Oh, sure. I thought you had it.

12 MR. CARR: Well, I may have, but I can't see  
13 through the paper. This is what you gave us yesterday?

14 MR. HEINEMAN: Yes, sir.

15 THE WITNESS: Wha it does is simply describe -- if  
16 I may go on.

17 Q (By Mr. Heineman) Well, first of all --

18 A Yes, this is a replica of that summary.

19 Q All right.

20 MR. HEINEMAN: Your Honor, at this time we'd move  
21 admission of Defendant's Exhibit 1711.

22 THE COURT: Any objections?

23 MR. CARR: Your Honor, until such time as we  
24 examine it, I'd like to withhold objection on it.

1 THE COURT: I'll reserve ruling.

2 MR. HEINEMAN: Your Honor, what I'd like to do is  
3 pass it to the jury.

4 MR. CARR: Well, establish when it was made then,  
5 Counsel.

6 MR. HEINEMAN: All right.

7 Q (By Mr. Heineman) When was it created?

8 A Well, this was -- the analysis has been going on  
9 off and on, off and on for about the last year or more. The  
10 reason for that is that we've been busy with many other  
11 things, and the Krumrich information was to us not a -- one  
12 of the high priority things that they were working with.

13 Q All right. When was this document itself  
14 prepared?

15 A Well, this summary was prepared in the last month  
16 or so. This summary.

17 MR. CARR: Counsel --

18 MR. HEINEMAN: I beg your pardon?

19 MR. CARR: Could you get a little better date than  
20 the last month or so, the last 30 days, or last two weeks,  
21 or last week. I'd like to have a better date.

22 Q (By Mr. Heineman) Do you have a more firm date,  
23 Doctor?

24 A I can't really give you an exact date, but I think

1 that an approximation would be about one month ago. It  
2 could have been before. It was actually prepared by our  
3 biostatustician.

4 Q Now --

5 MR. HEINEMAN: Your Honor, at this time we would  
6 move its admission.

7 THE COURT: Okay. Gentlemen, could you approach  
8 the bench for a minute, please.

9  
10 (The following proceedings were had at the bench  
11 out of the hearing of the jury:)

12  
13 THE COURT: Do you have any objection now?

14 MR. CARR: Yes, your Honor, this is a document  
15 that clearly should have been given us sometime.

16 THE COURT: Let me read it before we argue.

17 MR. CARR: No, I'll withdraw objection, your  
18 Honor.

19 THE COURT: Okay.

20 MR. CARR: I'll just cross examine on it after it  
21 comes in.

22 THE COURT: So you're not objecting?

23 MR. CARR: That's correct.

24 THE COURT: Okay. It's admitted without

1 objection.

2  
3  
4 (The following proceedings were had in the  
5 presence and hearing of the jury:)

6  
7 Q (By Mr. Heineman) All right. Dr. Suskind, I  
8 wonder if you would please review this summary with us,  
9 which is Defendant's Exhibit 1711.

10 A Would you like me to read from it and explain it?

11 Q I'd just like you, based on this summary, would  
12 you tell us what you found as a result of this clinical  
13 survey at Souget?

14 A Well, as I indicated earlier we found that of the  
15 workers that we examined 65 percent had a history of  
16 chloracne and 42 percent had acne at the time of the  
17 examination, and of that 42 percent, 29 percent was of the  
18 42 was mild and 13 was of moderate level.

19 We did find that 12 percent of the group had  
20 actinic elastosis, that is elastic tissue changes in the  
21 skin, but it was not apparently related as we felt it was in  
22 the Nitro group to the chloracne. It's just four percent of  
23 those who had chloracne had actinic elastosis.

24 We only had one person with a cancer of the skin

1 by history, and none of the people we examined had any  
2 evidence of cancer of the skin.

3 We had -- there was a history of acne vulgaris or  
4 juvenile acne in 42 percent of that group. The question  
5 that was asked was have you ever had juvenile acne and 42  
6 percent said yes, which is really not a high percentage.  
7 However, there were 20 percent of that group still had some  
8 acne vulgaris.

9 We found similarly in the Nitro group that there  
10 was still some evidence of acne vulgaris in the population,  
11 more in the control group in Nitro than in the exposed  
12 group. This was in the group we examined. They were  
13 largely among the younger people in the group.

14 Then we did what we did in other chloracne  
15 populations. We looked at chloracne as a variable. In the  
16 same way that we analyzed the Nitro population we classified  
17 the population into a group that had a history only of  
18 chloracne, but didn't have it at the examination, a group  
19 that had it on examination or residual, and the group that  
20 never had chloracne.

21 These were the positive findings or the positive  
22 relationships with chloracne. That the high density  
23 lipoprotein, the mean of the high density lipoprotein, when  
24 you compared residual chloracne with those that never had

1 chloracne was statistically significant. That is, there was  
2 an increase in abnormal high density lipoproteins among  
3 those who still had chloracne when you compared them to those  
4 who never had chloracne. There was an increase in the  
5 frequency of out of reference range, very low density  
6 lipoprotein, which largely consists of triglyceride among  
7 those with residual chloracne as compared with those who  
8 never had chloracne or had a history of chloracne. That was  
9 statistically significant.

10 When we adjusted both of these figures for smoking  
11 it was still statistically significant. So that smoking was  
12 not a factor there.

13 We did find an interesting finding that those who  
14 still had chloracne had more -- they were -- let me put it  
15 this way, that if you looked at the pack years smoked as an  
16 indication of smoking history that there was a significantly  
17 larger pack year smoked among the residual chloracne than  
18 those who had a history only or never, especially the  
19 residual as compared with those that just had a history, so  
20 that it was apparently -- there was some association between  
21 the number of pack years smoked and the residual chloracne,  
22 the current chloracne.

23 Q Doctor, let me, if I may, ask you about item  
24 number 1 again on page 2, on the mean high density

1 lipoprotein. The last line "when age and smoking adjusted,"  
2 who does that mean? What's the significance of that?

3 A Well, what it does actually is to decrease the  
4 significance. Let me just repeat then. The mean of the  
5 high density lipoprotein was larger, significantly larger in  
6 the residual chloracne when compared to those who never had  
7 chloracne. When it was age adjusted it was still  
8 significant, but when you adjusted it for both smoking and  
9 age, it was on really the borderline of significance. Even  
10 out of the range of significance. It was zero -- the p  
11 value was 0.059, 0549, which is borderline. We like to  
12 think of the p value being significant if it's less than  
13 0.05, and this is 0.049. So that if you adjust that mean  
14 value, if you adjust it for age and smoking, you get a p  
15 value which is just out of range of significant.

16 Q Okay. All right. I'm sorry for interrupting you  
17 there. Go ahead, if you would, please.

18 A We then looked at all of the parameters of the  
19 examination to see whether or not working in two other  
20 buildings might have influenced the outcome, and those  
21 buildings were 268 where they made the esters of 2,4,5-T, and  
22 262 where they prepared 2,4-D.

23 We found that if we compared the clinical  
24 outcomes, as well as the laboratory outcomes of the people

1 who were exposed both to pentachlorophenol, as well as  
2 either Buildings 262 or 268, that there was no difference in  
3 the outcome. So that exposure to both pentachlorophenol or  
4 pentachlorophenol and orthochlorophenol and  
5 parachlorophenol, and 262 or 268 didn't increase the  
6 frequency of chloracne, didn't change the lipid values,  
7 didn't change anything. There was no difference.

8 Now there was a difference, there was -- what the  
9 significance is, the blood chloride levels of those who  
10 worked in 262, 268, there was a slight increase. There was  
11 an increase in the eosinophils count of those who worked in  
12 262 and 268. I can't say that that's significant. I don't  
13 know what it means. I really don't. That's why I'm saying  
14 that there was really no difference in the clinical outcomes  
15 or laboratory outcomes, except for these two items.

16 We did find, however, a personal habit level that  
17 those who worked in 262 and 268, not personal habit, but on  
18 an age level, those who worked in 262 and 268 were a little  
19 older than those who worked in pentachlorophenol or penta  
20 and ortho alone.

21 Q Now with respect to -- did you cover number 7  
22 there, sir?

23 A Well, again, I think it's obvious there that there  
24 were no differences in the clinical or laboratory parameters

1 when the mild chloracne was compared to the moderate  
2 chloracne.

3 THE COURT: Okay. Is this a good point to break?

4 MR. HEINEMAN: Sure, Judge.

5 THE COURT: Okay. All right. Ladies and  
6 gentlemen, we will recess for the day at this time. I've  
7 told you earlier that Monday is a court holiday, so we won't  
8 be meeting on Monday. We'll resume again Tuesday morning at  
9 9:30. I would remind you as I do for any overnight break,  
10 you're not to read, listen to or watch anything about this  
11 case in particular or subject matter in general in any of  
12 the media.

13 While I'm at it, I want to give you some dates  
14 through March when we won't be having court either for court  
15 holidays or other reasons. Monday obviously I told you  
16 about before. February 20th and 21st and 24th we will not  
17 be holding court. March 13, 14, 18 and 28.

18 Okay. Thank you for your attention and  
19 cooperation. Take it easy in the snow. We'll see you  
20 Tuesday morning. Have a good weekend.

21

22 (The following proceedings were had in chambers  
23 out of the hearing and presence of the jury:)

24

1           THE COURT: While we were on the subject of  
2 telling him about some do's and don't's, I think as a matter  
3 of fairness that I ought to point out, you haven't objected  
4 to it, and you've got the right to, so obviously you haven't  
5 been upset by it, but he has a habit of wandering way passed  
6 the scope of your questions. He adds and volunteers a lot.

7           I noticed within the last half hour or so he added  
8 and volunteered his opinion, unasked by any question that  
9 you had, that TCDD did not initiate or promote skin cancers,  
10 and just before that he volunteered his opinion as far as  
11 the reference in his paper to skin cancer as being  
12 correlated with age.

13           You've got the right not to object to any of this  
14 if it's passed the scope of the question yourself. I'm not  
15 saying that you should or shouldn't. That's your  
16 professional judgment.

17           But I think in fairness to this witness, I think  
18 you ought to explain to him about answers being within the  
19 scope of questions and not let him get into the habit of  
20 doing this, because -- now, I don't know if he will either,  
21 but if Mr. Carr's objections hold true to form in the future  
22 he may object, and, of course, he has the right to object if  
23 matters are not responsive to his questions.

24           In fairness to this witness, so he's not taken by

1 surprise, and lulled into a habit, I think he should be  
2 informed of this and given an opportunity to -- he should be  
3 informed of this and given an opportunity to regulate his  
4 own conduct. So, you know, if you don't want to object to  
5 it, that's fine. But I think it's something that in all  
6 fairness he should be informed of also. While you were  
7 telling him about these other matters, I thought you might  
8 tell him about that also.

9 MR. HEINEMAN: All right.

10 THE COURT: Okay.

11  
12 (Court adjourned.)  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

STATE OF ILLINOIS )  
TWENTIETH JUDICIAL CIRCUIT )  
COUNTY OF ST. CLAIR )

I, KATHLEEN WATSON BRUNSMANN, one of the Official Court Reporters, do hereby certify that the foregoing transcript is a true and correct copy of said transcript.

DATED: February 24, 1986.

Karlus Walden Brun

KATHLEEN WATSON BRUNSMANN, RPR, CSR  
Official Court Reporter

1 found no serious long term effects.

2 Q All right. With respect to the findings that you  
3 told the jury about, that you made in the examination in  
4 1949, '50 and '53, what did you find with respect to those  
5 same parameters in the Suskind/Hertzberg Morbidity Study?

6 A Well, as you all will recall in 1949, 1950 we  
7 found people with severe chloracne and liver dysfunction and  
8 peripheral neuritis, and some evidence of central nervous  
9 system disturbances, if you judge by the irritability, the  
10 insomnia, and so on, and also the findings of lipid  
11 metabolism disturbances like the high total lipids that we  
12 found. If you look at what we found then as compared to  
13 what we found in 1979, really the only persistent finding  
14 was in th skin. Chloracne. That was the only persistent  
15 finding. All of the other abnormalities were no longer  
16 present. When you compared that group, the exposed group to  
17 the not exposed group. There's no difference in the  
18 frequency.

19 Q What, if anything, does your morbidity study tell  
20 you with respect to the findings in man versus animals with  
21 respect to TCDD intoxication?

22 A Well, in writing the protocol we wanted to look at  
23 all of the organ systems where there was any information,  
24 whether it was animal or human information, that was

1 information that was known to be affected by TCDD, and  
2 although you can produce birth defects in rodents with TCDD,  
3 and you can produce liver cancer and other kinds of cancer  
4 in rodents with TCDD, and you can induce immuno deficiencies  
5 -- thymus is a gland in the body that provides the cells  
6 that allows us to defend ourselves against infection -- you  
7 can find such immuno deficiencies in animals, in rats, but  
8 you don't find them in humans with long term -- when you  
9 look at the long term effects.

10 So that the -- one is not surprised, because  
11 there's a wide range of responses of species of animals,  
12 species of mammals to toxic agents, and the fact that you  
13 find such changes that can be induced in rats or mice or  
14 guinea pigs, and you don't find them in man shouldn't be a  
15 surprise because the effects in animals are not directly  
16 translatable to man. But in our study we didn't find these  
17 effects that are seen in animals.

18 Q Doctor, does your study, the Suskind/Hertzberg  
19 Morbidity Study, give any information with respect to the  
20 dose response relationship?

21 A Well, not really, because we did not have  
22 available any concentrations of TCDD in the materials that  
23 these people were being exposed to, or had been exposed to.  
24 So that the only -- the only dose relationship we could look

1 at, perhaps, was the length of time people were exposed, and  
2 to see whether or not their problems, like acne, appeared to  
3 be related to the length of time that individuals were  
4 exposed to the making of either trichlorophenol or 2,4,5-T.

5 When we did that, we didn't find any relationship  
6 at all between the severity of their acne and whether they  
7 did or did not develop acne and the length of time they were  
8 exposed. I think the reason for that is depending upon when  
9 they were exposed. In 1949 it was apparent that there was  
10 heavy exposure. In 1965 there was probably very much less  
11 exposure to TCDD. Even though people may have been exposed  
12 to as long a period as they were in 1949, the total amount  
13 of exposure was not equivalent. But there was no way that  
14 we could relate dose, because we didn't have that  
15 information on the outcome.

16 Q Now, Dr. Suskind, you told us about having  
17 computerized the data that resulted from this study, and did  
18 there come a time, sir, when the computer data which you  
19 compiled was turned over to the Carnow, Conibear and  
20 Associates organization?

21 A We didn't turn it over. There was a litigation in  
22 Charleston, West Virginia, and it was a federal court, and  
23 federal court ordered Monsanto to turn over the raw data and  
24 the tapes that we developed from the raw data to the

1 plaintiffs, and the plaintiffs' attorney. We then, because  
2 of the Court order, we provided Monsanto with that and then  
3 it was turned over, I believe, to the Carnow, Conibear  
4 Association.

5 Q Now, let me hand you, if I may, sir, what's been  
6 marked as Defendant's Exhibit 921 and Plaintiff's Exhibit  
7 1472, and ask you, sir, if you've ever seen those documents  
8 before.

9 A Yes, I have seen them. I have seen them after  
10 they were used in this courtroom. This is some kind of a  
11 print-out, and I gather that that is 921 is the print-out,  
12 and that's Defendant's Exhibit 921, and Plaintiff's Exhibit  
13 1472, I believe, constitutes two of the pages of this print-  
14 out.

15 Q Dr. Suskind, have you seen the data print-outs  
16 which are the result of the data generated by your study,  
17 those data print-outs that you and Dr. Hertzberg generated?

18 A I have, yes.

19 Q Are what you have in your hand data print-outs  
20 generated by you or Dr. Hertzberg?

21 A Absolutely not.

22 Q Now, if Dr. George Roush, sir, testified in this  
23 case that those exhibits were not your print-out, would that  
24 be accurate?

1           A     Oh, I think that would be very accurate.

2           Q     All right. And if it had been represented here  
3     that the data contained in those -- those two exhibits were  
4     from Suskind's computer print-out, from computer tapes  
5     created by Dr. Suskind, or that those were a Dr. Suskind  
6     document, would that be accurate?

7           MR. CARR: Your Honor, may we approach the bench,  
8     your Honor, because the testimony was from computer tapes.

9           THE COURT: Can you approach the bench, please.  
10

11           (The following proceedings were had at the  
12     bench out of the hearing of the jury:)

13

14           THE COURT: What was your objection?

15           MR. CARR: My objection is that type of question  
16     is misleading because it has been our representation, and  
17     has been from the beginning, that these documents were  
18     produced from computer tapes from Dr. Suskind, and that's  
19     all that's been represented.

20           MR. HEINEMAN: The representation, your Honor,  
21     initially that these were Dr. Suskind's documents. That's  
22     the first thing Mr. Carr told Dr. Roush, that these were Dr.  
23     Suskind's documents. The second thing was that all they did  
24     was tape Dr. Suskind's computer tape and print it out, and

1 that was the result of that.

2 MR. CARR: When that came in, to clarify, the  
3 ultimate statement was that these were produced from  
4 computer tapes furnished by Dr. Suskind. He didn't give  
5 print-outs to Carnow, Conibear, he gave computer tapes, and  
6 it was in evidence a couple of times that these documents  
7 were, and you've taken the deposition of Mr. Ferguson and  
8 Dr. Conibear, which has confirmed that these were print-outs  
9 taken from computer tapes supplied by Dr. Suskind.

10 MR. HEINEMAN: Now, the problem, your Honor, is  
11 the fact of the matter is that Ferguson reprogrammed that  
12 information. He had put in new variables, and he changed  
13 the way that information would appear in a print-out, and  
14 that's why those documents are not what came out of Dr.  
15 Suskind's office.

16 MR. CARR: We agree. Those documents did not --  
17 we consistently agree they did not come from Dr. Carnow's  
18 office. This is a computer print-out from tapes generated  
19 from Dr. Carnow -- Dr. Suskind's office.

20 MR. HEINEMAN: Your Honor, the clear implication  
21 intended to be left with the jury at that time, based upon  
22 the transcript, was that all they did was take Dr. Suskind's  
23 data and print it out, and that's simply not accurate.

24 MR. CARR: The witness is listening to us and he's

1 signalling to the jury his disagreement with what I'm  
2 saying, clearly improper, and Mr. Seigfreid pointed that out  
3 to me.

4 THE COURT: I'm really getting tired of this  
5 witness playing games. First of all we'll take a short  
6 break and we'll do this in chambers. If he does it one more  
7 time I'm going to tell him he's in contempt.

8 MR. HEINEMAN: Does what one more time?

9 THE COURT: Anything like that, the statements,  
10 what I've been told he's doing now. We'll take a short  
11 recess now.

12  
13 (The following proceedings were had in the  
14 presence and hearing of the jury:)

15  
16 THE COURT: Ladies and gentlemen, we're going to  
17 take a short recess at this time. The admonishments that I  
18 normally give you will apply during this break also.

19 Gentleman, could I see you in chambers, please?

20

21 (Short recess.)

22

23 (The following proceedings were had in chambers  
24 out of the presence and hearing of the jury:)

1 THE COURT: I think we were in the middle of your  
2 objection.

3 MR. CARR: Your Honor, yes, I want to make sure  
4 because I don't know whether Kathy got it all.

5 THE COURT: Okay.

6 MR. CARR: But while we were at the bench,  
7 argument on point to the court, out of the hearing of the  
8 jury, but within the hearing of the witness, since the  
9 witness box is right next to the bench, the witness, when I  
10 was saying that this material was -- these exhibits were  
11 generated from Suskind's computer tape, the witness shook  
12 his head no, and then turned to the jury and repeated -- I  
13 can't say that he repeated it. He shook his head no and  
14 turned and looked to the jury at that point, clearly  
15 signalling to the jury that he disagrees with what I am  
16 saying at the bench because obviously while the jury could  
17 not hear me, it certainly could -- I hope they couldn't hear  
18 me. I can't guarantee that. They certainly could see that  
19 I was talking and he was expressing disagreement with what I  
20 was saying. I think it's improper.

21 Mr. Seigfreid came up to the bench to point it out  
22 to me that he had also seen it -- that he had seen it. He  
23 probably didn't know that I had seen it. But I had seen it.  
24 I'm so reporting. I think the witness should be admonished

1 by Counsel, if not by the Court.

2 MR. HEINEMAN: Well, your Honor, obviously I  
3 wasn't -- I wasn't facing the witness.

4 THE COURT: No, you had your back to the witness.

5 MR. HEINEMAN: -- so I didn't see what he was  
6 doing.

7 THE COURT: Right. I was -- well, I was looking  
8 at you. I didn't see what he was doing either.

9 MR. HEINEMAN: All right. I don't know if anybody  
10 else observed any head shaking on his part.

11 MS. RUDDOLPH: I didn't notice anything.

12 MR. NASSIF: I didn't see anything.

13 MR. HEINEMAN: I know that the witness knows that  
14 he should not listen in on those conversations and react to  
15 them. I know he knows that.

16 THE COURT: So why did he do it?

17 MR. HEINEMAN: Well, if he did it, if it was a  
18 reaction to what was being said, I'm sure it wasn't a  
19 calculated one. I'm sure that it was a purely -- I don't  
20 know quite what word to use -- reflex type reaction to what  
21 had happened.

22 THE COURT: I tell you the problem with that is  
23 this is the third time I've heard it in three days of  
24 testimony from this witness. He made an improper volunteered

1       remark near the end of his first day of testimony. He made  
2       another such remark yesterday, and you assured me at that  
3       time in just about the same language that he had been  
4       admonished that he was not do to this type of thing, and  
5       that you thought sort of in the heat of exchange or whatever  
6       that he shouldn't, you know, that he momentarily forgot, or  
7       whatever, and now you're in just about the same language  
8       telling me now what you told me yesterday.

9               This man is too experienced, too worldliwise, too  
10       long around in the affairs of life generally and the affairs  
11       of science in his position, and gives no evidence of  
12       slipping in any way, to be doing this everyday at particular  
13       times just because he happens to forget. I'm -- I am  
14       ordering you to admonish him if anything else happens I'm  
15       going to tell him that he is about to be held in contempt,  
16       and I will deal with him accordingly if he decides to step  
17       over the line after that admonishment.

18              This is not -- you know, we are not talking with  
19       an inexperienced individual. We are not talking with  
20       someone who does not have the capacity to understand the  
21       consequences of his actions, or the context of which those  
22       actions are being done. This is a bright and worldliwise  
23       individual. I'm not going to tolerate it.

24              MR. HEINEMAN: Your Honor, obviously this man is

1 not experienced in testifying. He has testified at length  
2 in the Nitro case, which to my understanding, other than  
3 perhaps a deposition by Pratt, or was there another  
4 deposition, I don't know of another occasion when he's  
5 testified in court.

6 MR. CARR: He's testified in Workmen's  
7 Compensation hearings for Monsanto in the past.

8 MR. HEINEMAN: Not for Monsanto.

9 MR. SEIGFREID: Oh, yes.

10 MR. CARR: Yes, for Monsanto.

11 MR. HEINEMAN: No, it wasn't for Monsanto.

12 MR. CARR: It was in Nitro, West Virginia when  
13 these workers were trying to get Workmen's Compensation.

14 MR. SEIGFREID: He said it was for Monsanto in  
15 other testimony.

16 MR. HEINEMAN: He testified to my understanding at  
17 the request of the board.

18 MR. SEIGFREID: No.

19 THE COURT: He has testified in Comp hearings as  
20 well as the Federal District Court. No one disputes that.

21 MR. HEINEMAN: In the Comp hearings -- well, I may  
22 be wrong about this, but in the Comp hearings my  
23 recollection was that it was -- it was -- I don't think it  
24 was an adversary type situation. I think he was questioned

1 by the board.

2 MR. CARR: Judge, it wouldn't make any difference.  
3 The man is experienced. He knows what he's doing is  
4 improper. He's been instructed by counsel, at least counsel  
5 has represented to us that he has instructed him. He's not  
6 a dumbbell. I think it's silly for us to be debating  
7 whether or not he's capable of following the Court's  
8 instructions.

9 THE COURT: Yes. You know, what I said, if he  
10 does it one more time we're going to start down the road of  
11 contempt. You can tell him that.

12 MR. HEINEMAN: Your Honor, I will --

13 THE COURT: I would suspect that you should  
14 explain to him completely the rules that I told you before,  
15 plus these specific instances we talked about. I'll give  
16 him the benefit of being advised by experienced counsel one  
17 more time. Now, let's go back to the subject of -- I'm not  
18 sure where you were on the objection.

19 MR. HEINEMAN: Your Honor, may I make one more  
20 point on that? Obviously, for the record, I'd like to say  
21 that -- I will obviously obey the Court's instructions --

22 THE COURT: Good.

23 MR. HEINEMAN: -- I would also say that I don't  
24 think anything he has done in this courtroom has been

1       there, although according to some people in this case it's  
2       defined trace as being up to 10 parts per million.

3           A     No.

4           Q     Others in this case have identified as trace down  
5       to 45 parts per billion. We have a wide range of testi-  
6       mony as to what is meant by trace in this context. So,  
7       you'll forgive me if I won't accept your use of the word  
8       trace without knowing what you mean by it; what you mean by  
9       the word trace.

10          A     Something that's below 100 parts per trillion.

11          Q     Something that's below 100 parts per trillion is  
12       trace to you?

13          A     Yes.

14          Q     All right.

15          A     I'm not talking about our own analysis; I'm only  
16       talking about the soil analysis that the EPA did.

17          Q     I understand that. Down to 100 parts per trillion  
18       in the EPA analysis is trace. From what to what? One part  
19       per billion down to 100 parts per trillion?

20          A     That again varies with the different laboratories.  
21       Some laboratories still reported positive findings in parts  
22       per trillion at lower levels, and some laboratories didn't.  
23       And sometimes they would report it, and sometimes they  
24       wouldn't. The lower you go down with soil analysis, the

1 more difficult the quantitation becomes.

2 Q I understand that, Doctor, but I'm simply trying  
3 to--

4 A So, I really am not competent to answer those ana-  
5 lytical questions.

6 Q Well, I know, and I'm not asking you, ma'am. I'm  
7 asking you how you use the word trace? What values were  
8 included when you said these people lived in areas that had  
9 trace amounts of dioxin in the soil?

10 A I cannot answer this question without consulting  
11 the EPA and finding out what different laboratories did  
12 where.

13 Q Well, up to what level--where would it get not to  
14 be trace? At what level?

15 A As we were told, all laboratories had a--at least  
16 limit of detection of 100 parts per trillion. Now, some  
17 had a lower limit of detection, but since we weren't  
18 concerned very much about that anyway, we didn't--

19 Q Dr. Kimbrough, I understand that. But, I'm trying  
20 to pin something down here. In general, was trace used to  
21 describe contamination that would be below the limits of  
22 detection for any laboratory involved?

23 A I'm not clear.

24 Q Well, that's the reason I'm trying to find out

1        what you are talking about. When the word trace is used by  
2        you as you've adopted it from the laboratories, do you mean  
3        to say that trace is that quantity which we cannot accur-  
4        ately identify quantitatively or qualitatively?

5            A    Yes. And also we are not absolutely sure that  
6        that's really the 2,3,7,8-tetrachlorodibenzo-para-dioxin.

7            Q    So, any time you can't be sure of what it is, then  
8        you--or the amount that it is, it's then--and by "you" I  
9        mean the laboratory, the chemists involved, the analytical  
10       chemists involved--it is then that the word trace is used.  
11       Is that correct?

12           A    By some laboratories, yes. I don't know what all  
13       laboratories do.

14           Q    But you used the word trace, ma'am. And how were  
15       you using it?

16           A    I was using it in that context, yes.

17           Q    All right. If it can't be accurately quantitated  
18       or identified as to the isomer, then it's considered trace?

19           A    That's what the chemists have explained to me.

20           Q    All right. But, above that--and that's the way  
21       you used the word; correct?

22           A    Yes.

23           Q    But, above that it is not trace?

24           A    Yes.

1           Q   Now, the participants in the low risk group, what  
2 was the--strike that. How much of Times Beach--or how many  
3 streets were there in Times Beach, Dr. Kimbrough?

4           A   I don't know.

5           Q   How many streets--were all the streets sprayed by  
6 Bliss? Did all the streets receive the contaminated oil?

7           A   Not as far as I know.

8           Q   Well, what part didn't?

9           A   I sort of, if I remember correctly, it's sort of a  
10 third maybe maybe. But, I would have to go back to the  
11 maps.

12          Q   All right. What you are doing now is trying to  
13 resurrect you memory, and again you're--

14          A   But, I just can't relate to that.

15          Q   And you don't really know; is that right?

16          A   Right.

17          Q   Well, to get it down to what you do know is that  
18 the high risk group had to live and have intimate contact  
19 in soil of 20 parts per billion and above, and your low  
20 risk group was taken from people who lived in areas at  
21 least less than that. Is that right?

22          A   Less than 1 part per billion.

23          Q   All right. Now--and were people--there were more  
24 than one site involved in this study--what was it; Minker

1 Stout, Quail Run--there were a whole number of places that  
2 were included in your contaminated sites. From what  
3 areas--we've already identified the Times Beach area. Did  
4 you select volunteer participants from the Quail Run area?

5 A That's a different study that's still in progress.

6 Q Now, I'm talking about the Missouri study, the one  
7 that we are talking about now. Did you take anybody from  
8 that area?

9 A No.

10 Q Were the--

11 A Not as far as I know.

12 Q Were the people that you took just from the Times  
13 Beach area?

14 A As far as I know it was a Times Beach area study.

15 Q All right. And none of these other--not Minker  
16 Site or Minker Stout; is that right?

17 A Yes.

18 Q All right. And all of these--did all of these  
19 participants in this study actually live in Times Beach  
20 then?

21 A I'm not absolutely certain. There may have been  
22 some controls who were slightly outside or who had moved  
23 away. I mean, nobody really lived at Times Beach at the  
24 time.

1 Q At the time of the study?

2 A Yes.

3 Q Well, that was inaccurately put. The participants  
4 in the study were drawn all from people that had lived in  
5 Times Beach--that had lived in Times Beach or near Times  
6 Beach; is that correct, ma'am?

7 A Yes.

8 Q All right. And that's 100 percent of both groups  
9 either lived in Times Beach or near Times Beach; is that  
10 correct, ma'am, to the best of your knowledge?

11 A To the best of my knowledge, yes.

12 Q All right. And how often the people that actually  
13 went on the contaminated streets is unknown to you?

14 A Yes.

15 Q All right. What you did do was try to select out  
16 these people, put the--divide them in two groups, the  
17 people that had the less known or less obvious or less  
18 often or less intense contact with the contaminated--actual  
19 contamination in one group and those with the known, more  
20 intense, more active, more frequent participation in these  
21 contaminated--actual known contaminated areas in the other  
22 group; is that right, ma'am?

23 A Yes.

24 Q All right. Now, in the--in order to speed this up

1 a little bit, if I were to ask you the questions about how  
2 many people would be expected to have persistent feelings  
3 of pins and needles, and cramps, and loss of power, and  
4 burning in body, and tingling in fingers and toes, would it  
5 be your answer that you have no judgment that would be of  
6 any significance in this case?

7 A You mean all of these things together or just--

8 Q Or independently?

9 A --or independently?

10 Q Yes.

11 A Independently I would say that 25 percent of the  
12 people of the total general population might report some-  
13 thing like that, but they wouldn't have all of these  
14 things.

15 Q At the same--all right. What you are saying is 25  
16 percent of the population would have persistent feelings of  
17 pins and needles in the body, within the meaning of the  
18 question and the way it was asked in the Times Beach pilot  
19 study?

20 A No. Maybe 5 or 10 percent would say that, and  
21 some others would say they had heart burns and--

22 Q All right. But, anyway, what I'm asking you is a  
23 specific question. You say 5 to 10 percent of the people  
24 would respond yes they have persistent feelings of pins and

1       needles in the body; is that what you are saying?

2           A     In their hands or in their feet.

3           Q     All right. And what about cramps? What per-  
4       centage of people would respond that they have muscle  
5       cramps?

6           A     Judging from the experience yesterday, I would  
7       say--strike that. At some time everybody has muscle  
8       cramps.

9           Q     Yes, Doctor, we've established that already. I'm  
10      asking you again, in the way the question was asked by the  
11      people that did the asking, and they had follow-up  
12      questions to pinpoint the problem as you've suggested this  
13      morning. In the context of this health study, this review  
14      that went on, the interviews that went on, in the context of  
15      that question posed in that way--and so I need not say it  
16      again--will you assume that all of these things that I'm  
17      asking you is put in that context about these symptoms and  
18      findings and problems--will you do that me'am?

19          A     All right.

20          Q     What percentage of people would have cramps?

21          A     Persistent cramps?

22          Q     I'm sorry?

23          A     You mean persistent cramps?

24          Q     The word cramps was used. I don't know what the

1 question--I don't have the advantage, the same advantage  
2 that you have. You read the questions; I did not. All I  
3 have are the results, see. So, you have an advantage over  
4 me. In the way the question was asked, as I've already  
5 told you, ma'am, what percentage of people would report  
6 that they had cramps in the general population?

7 A Persistent cramps could be reported in 10 to 15  
8 percent of the population.

9 Q And loss of power? What percentage would report  
10 loss of power?

11 A That would be lower; somewhere between 1 and 5  
12 percent.

13 Q And burning in body?

14 A I can't answer that; I don't know what burning in  
15 body--that's one of those catch all questions where we want  
16 to know--

17 Q It's one of the questions you threw in to test--  
18 I'm not going to say honesty, because they were all honest  
19 presumably--but to test the subjective view of their  
20 health; is that right?

21 A Partly, and also to try and evaluate their under-  
22 standing of the question.

23 Q All right. So, that's a question that would have  
24 no--that wasn't designed to bring out a health effect; if I

1 understand what you said before?

2 A Well, that's not quite right. If they may answer  
3 that, we may then go back and see what else they are, and  
4 see how that all fits together. And we may want to go back  
5 and examine them more if we think they haven't properly  
6 answered the questionnaire.

7 Q All right. Moreso than the other questions, it  
8 can't stand by itself; it's a more unique question than the  
9 others, and you would definitely have to do other things in  
10 order to give the answer to that question meaning; is that  
11 right?

12 A Yes.

13 Q All right. What percentage of the people would  
14 you expect to respond that they had tingling in fingers and  
15 toes?

16 A I've already answered that earlier.

17 Q No, I'm sorry, but the question that was asked  
18 before was persistent feelings of pins and needles in body.  
19 This is a different question.

20 A Okay. That's again, the tingling and pins and  
21 needles--

22 Q I didn't make the questions; don't look at me. I  
23 didn't design the study. Tingling in fingers and toes.  
24 What percentage of the general population would respond

1 that they had tingling in fingers and toes in your esti-  
2 mation?

3 A It's the same thing as pins and needles; it's the  
4 same question asked in a different way.

5 Q All right. What percentage then?

6 A And I said, it was somewhere between 10 and 15  
7 percent.

8 Q All right. Now, on--in the general population,  
9 what percentage of people would you expect to respond that  
10 they had prolonged infections?

11 A Could be up to 25 percent.

12 Q And as far as findings are concerned in the  
13 immune, how many--in the general population, what would you  
14 expect to find to have marked depression in lymphocyte pro-  
15 liferation?

16 A That's not very well known; and I can't answer  
17 that question.

18 Q You have no judgment at all?

19 A No.

20 Q Could be anywhere from 5 percent to 100 percent?

21 A I don't know.

22 Q You have no judgment at all?

23 A No.

24 Q Would you expect 100 percent to have it?

1 A I don't know.

2 Q You've done no work in this area at all?

3 A I have read the literature, and I've reviewed it.  
4 I had first got involved with that when I was dealing with  
5 Love Canal, and there just isn't enough information at the  
6 moment.

7 Q What you are saying then is that any figure would  
8 be meaningful; but, on the other hand, any figure would not  
9 necessarily have any meaning?

10 A Right, until we get more information. That's an  
11 area of research that we don't have good information in  
12 yet.

13 Q And so, it could be that if as much as 10 percent  
14 of the population had a marked depression in their lym-  
15 phocyte proliferation that that could have real signifi-  
16 cance; couldn't it?

17 A I just cannot answer those questions at the  
18 moment; I just don't know enough about it.

19 Q Doctor, I submit that if you don't have any scien-  
20 tific basis to dispute it, then what I say to you, anything  
21 I say to you you will have to accept as true unless you do  
22 have some scientific basis to dispute it. And that's the  
23 reason--I'm asking this question to test whether or not you  
24 in fact have any scientific basis as you say you do not

1 have. Could be, could it not, ma'am, that if 10 percent  
2 of the exposed population have a marked depression in  
3 lymphocyte proliferation, that could be significant;  
4 couldn't it, ma'am?

5 A Lymphocyte proliferation is effected by so many  
6 things that--significant for what? I mean, I don't under-  
7 stand.

8 Q In determining whether or not one has had an  
9 adverse health effect from exposure to a chemical involved?

10 A No.

11 Q Pardon?

12 A No.

13 Q It could not be then? It could have no signifi-  
14 cance? You are saying that--

15 A You cannot answer that question in a vacuum like  
16 this.

17 Q Doctor, if I ask you and you have no knowledge, if  
18 I ask you it could be that there are a million planets in  
19 this universe that have human beings, or humanoids, or  
20 creatures like humans, you could not dispute that; could  
21 you, ma'am?

22 A I sure could.

23 Q How so?

24 A I can also say that I believe that's not true.

1 Q No; but I'm not asking your belief. I'm asking  
2 you is it possible, ma'am, that there are a million planets  
3 in this universe that have humans on it? Is that possible?

4 A I'm saying no.

5 Q And why are you saying no?

6 A Because I don't think it's possible.

7 Q Why do you think it's not possible?

8 A Because of my experience that I've had and the  
9 general knowledge that I have acquired.

10 Q All right. What is that experience and general  
11 knowledge that tells you that there cannot possibly be a  
12 million or a hundred or a hundred million other planets in  
13 this universe that have humans on them? What is your  
14 background? Is it religious, or is it scientific that  
15 tells you that that's no so?

16 A Both.

17 Q From a religious viewpoint you believe that then  
18 there couldn't be anybody--any place except earth that's  
19 got humans on it?

20 A I didn't say that.

21 Q All right. Do you believe that there could be  
22 another planet in the universe that has humans on it?

23 A I don't know.

24 Q I'm not asking you of your knowledge; again, I'm

1 testing the way you are using the words that you are using,  
2 Dr. Kimbrough. Is it possible, Dr. Kimbrough, that in the  
3 billions and trillions and billions of other solar systems  
4 that exist in this universe, is it possible that there is  
5 one single other planet out there that have human like  
6 beings on them--on it?

7 A There may be another planet that may have some  
8 life on it, but I don't think there would be humans.

9 Q Or human like?

10 A Human like creatures.

11 Q And now, are you giving that answer from a scien-  
12 tific viewpoint or from a religious viewpoint?

13 A That's from a scientific viewpoint.

14 Q All right. Now, what in science tells you that in  
15 all these countless--and they are countless--unimaginable  
16 number of solar systems out there--haven't yet reached the  
17 end of the--we don't know yet the end of the universe--we  
18 don't even know, there might be more than one universe out  
19 there. What in your scientific knowledge tells you that it  
20 isn't possible that there could be another planet out there  
21 with human like beings on it?

22 A You changed it slightly; you said human like.

23 Q No, I said that before, ma'am.

24 A Oh, I'm sorry; I didn't hear that. I wouldn't

1 think that the evolution in any one planet would be just  
2 exactly the same--

3 Q And I carefully did not say--

4 A Okay; I didn't catch that.

5 Q Initially I did say humans, and you were correct  
6 in humans. But, then I said--my next question said human  
7 like.

8 A Oh, I'm sorry; I didn't hear the "like".

9 Q So--by your hesitation here and by your inquiry  
10 here, are you saying that you believe it is possible that  
11 there could be another planet out there that has human like  
12 beings on it?

13 A That could be possible.

14 Q Is it possible there could be a hundred planets  
15 out there that could have human like beings on it?

16 A I don't know.

17 Q I'm sorry?

18 A I do not know.

19 Q No, I didn't ask you whether--

20 A I mean, I can't even guess.

21 Q Dr. Kimbrough, I suggest to you that if there  
22 could be one out there, as you've agreed that there could  
23 be, then there could be two; couldn't there, ma'am?

24 A The earth could be flat.

1           Q   Well, but we know the earth isn't flat; we don't  
2 know what's out in the universe as far as life is con-  
3 cerned; do we, ma'am?

4           A   Not--we know a little bit, but we don't know a  
5 lot.

6           Q   We don't know enough to say that there couldn't be  
7 human like creatures out there; do we, ma'am?

8           A   No.

9           Q   We don't know enough to say that there could not  
10 be a hundred planets out there with human like creatures;  
11 do we, ma'am?

12          A   Since this is totally out of my area, I'm not  
13 really qualified to discuss that; but, I think a hundred  
14 would be an exaggeration.

15          Q   Why, ma'am? How many millions of planets--or how  
16 many millions of solar systems would you have to have to  
17 produce one with atmosphere and conditions like we have on  
18 the earth?

19          A   I don't know.

20          Q   You haven't the vaguest idea, and nobody else has,  
21 ma'am. Nobody knows. There could be, it's possible that  
22 there could be billions of solar systems out there that  
23 have a planet that goes around that sun the same way this  
24 earth goes; isn't that possible, ma'am? Could be billions

1 of such out there?

2 A If you--

3 Q On the other hand, there could be none; isn't that  
4 right, ma'am?

5 A There could not be any other solar systems.

6 Q No solar systems with planets that have the same  
7 conditions that cause human life to evolve on this planet?

8 A Yes.

9 Q There could be billions of such planets; couldn't  
10 there, ma'am?

11 A Billions?

12 Q Billions of such planets out in the countless  
13 universe?

14 A That's--I don't think so.

15 Q Why not, ma'am?

16 A Some of those creatures might have made contact  
17 with us by now.

18 Q You are saying might have. Again, they might not  
19 have as well; is that right, ma'am?

20 A I would think they would have.

21 Q Doctor, what makes you think that they would have  
22 evolved any more rapidly than we?

23 A Because I'm an optimist.

24 Q Doctor, I'm not talking about your optimism; I'm

1 asking strictly--and I know this gets to be ludicrous--  
2 strictly in possibilities, ma'am. What it boils down to,  
3 what I'm saying is, it is possible, even though you might  
4 say that it's not likely, it is possible that there are  
5 hundreds of planets out there, billions of planets out  
6 there with human like creatures on them; isn't that right,  
7 ma'am?

8 A I have problems making these sweeping statements,  
9 because they don't mean anything.

10 Q Well, I know they don't mean anything, but that's  
11 the reason I'm asking the question, ma'am, to establish  
12 that what you've said about your marked depression in lym-  
13 phocyte proliferation. If you have no knowledge as to the  
14 significance of it, then you can't say that it does not  
15 have significance, if you have no knowledge one way or the  
16 other? That's the whole point of this exercise, Dr. Kim-  
17 brough.

18 A I didn't say it had no significance; I said that  
19 there were many things--I was trying to say that there are  
20 many things that effect the immune system, that at the  
21 moment we have not sorted that out and we need to do more  
22 work in science--

23 Q And that's the reason I asked you, ma'am: it is  
24 possible, is it not--and I used the word "possible" ma'am--

1 it is possible that a 10 percent depression in lymphocyte  
2 proliferation may be? And I used two words--it's possible,  
3 might be; and I said it may have significance in showing  
4 dioxin exposure. Now, isn't that possible, ma'am?

5 A It's also possible that something entirely  
6 different could--

7 Q I agree. I agree, and have no dispute on that  
8 point. One is possible; the other is possible; isn't it,  
9 ma'am?

10 A Well, if one is possible, then the other may not  
11 be possible.

12 Q No, both could be possible. There could be a  
13 thousand possible solutions to the problem. When in fact  
14 there's only one real solution, there are a thousand--until  
15 we identify it, there are a thousand possible solutions.  
16 In this particular case, ma'am, do you not agree that if  
17 one is possible, the other might also be possible? Perhaps  
18 not as likely, but possible?

19 A That's putting it a little too loose. I think by  
20 carefully reviewing these people's records and the find-  
21 ings, doing some follow-up, and doing some other things, we  
22 could limit down the possibilities.

23 Q Yes; but that hasn't been done, and I'm using--has  
24 it, ma'am?

1           A    No.

2           Q    I'm obligated here to prove this case with what  
3 we've got; not what we might have someday; but what we have  
4 at this time. Could be absolutely wrong; could be a  
5 thousand percent wrong. But, I'm using the tools that we  
6 have. And I'm suggesting to you that a marked depression  
7 in lymphocyte proliferation might have significance in this  
8 case; might it not, ma'am?

9           A    It might.

10          Q    Yes. All right. Now, Doctor, how about the T4:T8  
11 ratio being less than one. What percent of the population  
12 would have that ratio of less than one of the general popu-  
13 lation?

14          A    We're just going to go through the same thing. It  
15 all goes together. I don't know.

16          Q    Would your answer be then that you don't really  
17 know the significance of that related to this case, but it  
18 might possibly have significance? Is that the answer to  
19 the question?

20          A    Yes.

21          Q    All right. What about porphyrins, ma'am? I know  
22 that you've worked with porphyrins. What percent of the  
23 population would you expect to have chronic hepatic  
24 porphyria as defined and used in the Missouri Health Study?

1           A    Now, there is a human disease called porphyria  
2   cutanea tarda--

3           Q    Now, Doctor, I asked the question specifically,  
4   chronic hepatic porphyria as used in the Missouri Health  
5   Study that you helped design? I don't want to get into all  
6   kinds of porphyria that there might be; I'm asking you a  
7   specific question on the study you designed. What percent  
8   of the general population would you expect to have chronic  
9   hepatic porphyria?

10          A    The disease, which is porphyria cutanea tarda--

11          Q    Doctor--Doctor, did you understand my question?  
12   I'm talking about the chronic hepatic porphyria defined,  
13   discussed, identified, used in the Missouri Health Study  
14   which you helped design. What percent of the general  
15   population would you expect to have chronic hepatic  
16   porphyria?

17          A    Since we seem to have difficulties with semantics,  
18   I'm trying to explain what I mean by my percentages.

19          Q    Doctor, I don't want an explanation; all I want  
20   for you to tell me is in this study that you designed--that  
21   you helped design--and I know you had a great deal of input  
22   on the porphyria section; did you not, ma'am?

23          A    Yes.

24          Q    In that study, ma'am, in the way you used the term

1 chronic hepatic porphyria, the way you defined it then,  
2 the way you took it then, the tables you used and every-  
3 thing you did in that study, what percent of people did you  
4 expect to find had chronic hepatic porphyria in the general  
5 population?

6 A What I mean by chronic hepatic--

7 MR. CARR: Your Honor, would you direct the  
8 witness to answer the question as I've posed it.

9 THE COURT: Doctor, you have to answer the  
10 question, Doctor; it was directly posed; it did not call  
11 for an explanation of the term.

12 A The percentage would be very low; it would be one  
13 in a thousand or less.

14 Q Doctor, how much time did you all spend at least  
15 that you are aware of in discussing what kind of parameters  
16 to put up in your tests dealing with chronic hepatic  
17 porphyria?

18 A I don't understand the question.

19 Q How much--what I mean is how much time did you  
20 devote to that--to setting up or designing that as part of  
21 your study?

22 A We started several years ago to set up a method,  
23 and we've done a lot of work in that area with other pop-  
24 ulations, and so it is something that we now routinely do.

1           Q   All right. And as far as the Missouri Health  
2 Study is concerned, then, you had a great deal of back-  
3 ground when you designed and suggested and had made the  
4 porphyria protocol part of that study; is that correct?

5           A   Yes.

6           Q   It wasn't done as an off-the-shelf kind of thing;  
7 it was done after careful and deliberate thought?

8           A   Yes, and also because we put time into developing  
9 the hot pressure liquid chromatology method.

10          Q   All right. And as a pathologist, you were well  
11 versed in the various kinds of porphyria?

12          A   Yes, to some extent.

13          Q   Yes. And you knew all about Doss and Strik and  
14 their work; did you not, ma'am?

15          A   Yes.

16          Q   Doctor, what percent of the general population  
17 would you expect to come up with the results that would not  
18 be typeable in your porphyria exam as far as the type of  
19 porphyria they may have?

20          A   There are--all the porphyrias that would not fit  
21 into this classification; is that--

22          Q   No.

23          A   I don't understand the question.

24          Q   No. All right. In your Missouri Health Study,

1       you put down the number of people that had various kinds of  
2       lab results and you determined whether or not that was or  
3       was not an indication of hepatic porphyria--or chronic  
4       hepatic porphyria. All right?

5           A     Yes.

6           Q     There was a category that you put, not in the  
7       normal--you had two sections, you had the normal and you  
8       had the chronic hepatic porphyria section. And then you  
9       had an untypeable section. Do you follow me? You had  
10      normal, you had chronic hepatic porphyria, and then you had  
11      untypeable.

12          A     Yes.

13          Q     What percent of people do you expect to be--to  
14      fall into that untypeable classification?

15          A     There are--there's probably about a third of the  
16      population that has some variance from the rest of the  
17      population. We feel that it's a variance in the general  
18      population which has nothing to do with disease.

19          Q     That isn't what I asked.

20          A     Is that--

21          Q     Probably not, but I think it would be so long to  
22      get an appropriate explanation to you so that I could get  
23      an answer that would fit my needs; I think it would prob-  
24      ably be best if I use this time for something else. And do

1 let me pass on to something else, Doctor. You have  
2 testified here yesterday as to the means and methods by  
3 which you could be used to testify in other cases. And you  
4 mentioned that you were in one case in Missouri, did you  
5 not, by way of deposition? To refresh your memory, you  
6 gave a deposition, did you not, in the case of Patricia  
7 Drinkard, Paul Drinkard, Lori Platt, and Andrea Platt  
8 versus Independent Petrochemical Corporation, which was on  
9 file in the Circuit Court in the City of St. Louis, State  
10 of Missouri, case number 782-559. You gave such a depo-  
11 sition; did you not, ma'am?

12 A Yes, I guess so.

13 Q You didn't mention it, but--

14 A I'm sorry, but--

15 Q That's all right. You see, this is your depo-  
16 sition, deposition of Renate Kimbrough.

17 A Yes.

18 Q And it took place on the 18th day of February  
19 1983, as a matter of fact, just 12 days after this case  
20 started. No--did we start in '83 or '84?

21 THE COURT: We started February of '84.

22 Q All right. Your deposition was given a year,  
23 then, before this case started.

24 A Okay.

1 Q All right. Do you remember that now?

2 A Yes. There were a number of things we went round  
3 and round about it, but I'm not really quite sure--

4 Q No, all I want to establish is that you gave an  
5 evidence deposition in that case.

6 A Yes; okay.

7 Q Did you not, ma'am?

8 A Yes.

9 Q And you gave an evidence deposition in the case  
10 filed in the Circuit Court of Pike County, Missouri. You  
11 gave it a long time ago. That was the 28th of February  
12 1975. Did you not, ma'am? And I could forgive you for  
13 forgetting that one.

14 A Yes.

15 Q That was the case of Frank J. Hampel and Judy  
16 Piatt versus Russell Bliss and a whole bunch of others, and  
17 some chemical companies. Do you recall that?

18 A Yes. Now I remember the lawyer's name again, too.

19 Q That's all right. And that was also an evidence  
20 deposition that you gave; was it not?

21 A Yes.

22 Q You also gave an evidence deposition in the case  
23 of--

24 A Evidence deposition means what?

1 Q Will be read in the proceeding that follows with-  
2 out your coming in person like you have here, for instance?

3 A Okay.

4 Q In other words, you give your testimony in Atlan-  
5 ta, Georgia, as you did in the two cases I've just talked  
6 to you about--

7 A Yes.

8 Q And it's subsequently read at the trial.

9 A I don't know that; you see, that would be some-  
10 thing you would have to talk to--

11 Q Okay; I'll just eliminate the word "evidence" for  
12 now. And you did--well, I'll have to say evidence  
13 deposition, because that's what it was--you gave an  
14 evidence deposition in the case of Jerry Russell Bliss  
15 versus Fred Lafser, State of Missouri, Number HW 81-1A, did  
16 you not, ma'am, on December 8, 1982?

17 A Yes.

18 Q Is that correct, ma'am?

19 A Yes.

20 Q All right. Now, in that--in the situation of the  
21 Missouri Health Study, there is such a thing as a--strike  
22 that. What health effects do you expect to come from long  
23 time and or low dose exposure to TCDD, if any?

24 A There may not be any; that's--

1 Q Well, there may be some?

2 A --that's what we hope.

3 Q Well, what are the signs that may be present, or  
4 is it simply you do not know what it may be?

5 A We don't know.

6 Q And the reason you don't know, ma'am, is why? Why  
7 don't you know what the effects of long term low dose expo-  
8 sure to dioxin may be in human beings?

9 A Two reasons. One is that different animal species  
10 respond quite differently; we don't know where humans are.  
11 And then, the only experience that we've had has been in  
12 workers that have been exposed to very high doses and often  
13 over short periods of time. And even there, any chronic  
14 health effects that have been reported, if you really  
15 examined all of that information, it isn't very clear, and  
16 some of it may actually be chronic disease that you get  
17 with aging. And so, it's--that's also because of that.  
18 And it's very difficult to design epidemiology studies, and  
19 you have to sort of throw out a big net and then try and  
20 see whether there are any differences between that and a  
21 comparison group. The things that we have concentrated on,  
22 partly because of the animal data, has been the sensory  
23 nervous system, the immune response, and the porphyria  
24 cutanea tarda. And there are things in the workers with

1 acute exposure that have had some general malaise, and so  
2 we have included all of those things, too.

3 Q Well, to get it back to what I thought I was  
4 asking, is that you have not reached--and by you I mean the  
5 scientific and medical world in general--you have not  
6 reached yet a state where you can be absolutely sure as to  
7 what the chronic health effects in humans will be because  
8 of, one, the human life span is so much longer than the--  
9 most of the animals that you tested and studied, and there  
10 there isn't yet a sufficient history length of time to come  
11 to any results positive--or absolutely sure results in the  
12 case of long time low dose exposure in the case of human  
13 beings?

14 A Yes. The only thing is chloracne.

15 Q And chloracne may be present in some instances,  
16 and it may not be present in others; isn't that correct?

17 A That's a very complicated question. Most people  
18 that have had--most workers that have had exposure to high  
19 concentrations have developed chloracne.

20 Q Yes. But you are talking about a high concentra-  
21 tion; aren't you? My question is aimed at chronic long  
22 term low dose exposure to dioxin. Ma'am?

23 A Could I get the question again?

24 Q Well, you said chloracne.

1 A Yes.

2 Q And when I asked you what were the findings from  
3 long term low dose exposure, in that general area, you said  
4 chloracne was one of the things. But, in point of fact,  
5 the chloracne that you are familiar with had been acute  
6 high dose exposure to dioxin; isn't that correct, ma'am?

7 A Yes.

8 Q Yes. And whether--and chloracne is not--let me  
9 ask it another way as well. Even in those instances,  
10 chloracne is not a constant finding; is it, ma'am?

11 A In most people it is; not in everybody, but in  
12 most people.

13 Q My question is: you know a number of cases where  
14 they didn't have chloracne, for instance; don't you, ma'am?

15 A They are rare.

16 Q Pardon?

17 A They are rare in those situations.

18 Q But, my question is: you know of cases in Europe--  
19 you know of cases in this country where people working side  
20 by side in the same plant, the one guy gets chloracne and  
21 his fellow right next to him doesn't get chloracne. You  
22 know that; don't you, ma'am?

23 A As far as the 2,3,7,8-tetrachlorodibenzo-para-  
24 dioxin is concerned, there were a few workers, maybe two or

1 three, in the Spulana factory that Dr. Jirasek reported  
2 that didn't have any chloracne.

3 Q Yes, but as a matter of fact, what happened there,  
4 as you pointed out in one of your statements or papers,  
5 something that I read, they just really studied the people  
6 that had chloracne. Those were the ones that they thought  
7 were exposed, and those were the ones they went after;  
8 isn't that right?

9 A That's true.

10 Q So, actually what that study was and what so many  
11 of these studies were, including the Suskind '49 studies,  
12 these are studies of people that have chloracne; isn't that  
13 correct?

14 A Yes.

15 Q And they are not studies of people who had  
16 exposure to 2,3,7,8-tetrachlorodibenzo-para-dioxin per say;  
17 are they, ma'am?

18 A They also had exposure to TCDD.

19 Q Who?

20 A The people with chloracne had exposure to TCDD.

21 Q Right. I didn't mean to say they did not.

22 A Yes.

23 Q What it was a study of--what most of these studies  
24 if not all of the studies was were studies of people who

1       were exposed to 2,3,7,8 ICCD and got chloracne; isn't that  
2       correct, ma'am?

3           A     Yes.

4           Q     They were not studies of people who were exposed  
5       to 2,3,7,8 ICCD period; were they, ma'am?

6           A     No.

7           Q     No.

8           THE COURT:   Is this a point for a short break?

9           MR. CARR:    Sure, your Honor.

10          THE COURT:   Okay.  We'll take a short break at  
11       this time and resume testimony.

12               (At this time a short break was taken.)

13               (The following proceedings were had out of the  
14       hearing and presence of the jury.)

15          THE COURT:   Mr. Carr?

16          Q     Doctor, on the point that we were discussing on  
17       the effect of long term low dose exposure, there's been a  
18       population that has been considered to fall into that  
19       category, but in fact that have not, and that's the Seveso  
20       group of people; isn't that correct, ma'am?

21          A     They have not had long term exposure?

22          Q     Right.  They've had low dose exposure, but not  
23       long term?

24          A     They had initially a relatively high exposure, and

1 then they really haven't had long term exposure.

2 Q Well, what was the extent of the exposure that you  
3 would call high initially?

4 A The exposure to the cloud and the vegetation  
5 during the first two weeks after the accident.

6 Q I understand that. But, I want to know the level.

7 A I'm sorry.

8 Q The level of contamination?

9 A I don't know what the contamination in the cloud  
10 was; on the vegetation there are some measurements of 15  
11 parts per million.

12 Q And there were--are you familiar with the studies  
13 that--the tests that were performed later on showing--I  
14 think there's exhibits in this case which if I had time I  
15 could pull it out and show you--but are you familiar with  
16 the studies that showed that the contamination in general  
17 was much less than that, down into the low parts per  
18 billion or even down in the parts per trillion?

19 A In the soil measurements--or there are three  
20 zones; and except for Zone A, all of the soil measurements  
21 in Zone B and Zone C were in parts per trillion.

22 Q Yes. And the people living in those areas,  
23 including some of them in Zone A--Zone A, if I remember  
24 the map, was that small area that was in the direct path of

1 this cloud, and I've seen the drawings that I have, like a  
2 large long teardrop of water. That would be the Zone A  
3 that you are speaking about?

4 A It was adjacent to the plant.

5 Q Yes.

6 A Now, recently they have redefined the zones, but  
7 I'm talking about the original zones.

8 Q Actually, as far as those people are concerned  
9 then, they did not actually have long term exposure; did  
10 they, ma'am?

11 A The people that lived in B and C, no.

12 Q Well, in A, B, and C. None of the people in  
13 Seveso had what's considered long term exposure; did they,  
14 sir--ma'am?

15 A No.

16 Q Is your answer to my question yes, that's correct,  
17 they did not have long term exposure?

18 A Yes, to--

19 Q I'm sorry?

20 A To levels that would be important.

21 Q By your definition of importance?

22 A Yes.

23 Q Well, none of those people would have those  
24 levels. What do you consider important; what levels?

1           A    There is some--there is some contamination in the  
2 part per trillion range.

3           Q    Well, but you don't consider that important; do  
4 you?

5           A    No.

6           Q    Well, I want to know what you do consider impor-  
7 tant. In what range?

8           A    We have developed this paper that gives you all of  
9 the rational on soil levels.

10          Q    I understand the rational; but, I'd like to know  
11 what level of contamination is it that you consider impor-  
12 tant?

13          A    We said that anything below 1 part per billion.

14          Q    I know what you said, ma'am, but my question is  
15 what do you consider important level of contamination?

16          A    Below 1 part per billion is negligible.

17          Q    And do you mean, then, that exposure to--or strike  
18 that--contamination above 1 part per billion is important,  
19 and contamination levels below 1 part per billion are not  
20 important?

21          A    Levels below 1 part per billion are negligible.  
22 They are not important in residential areas.

23          Q    Okay. Then, the answer to the question is they  
24 are not important if they are below 1 part per billion?

1           A    In residential areas.

2           Q    Well, in any other area are they important?

3           A    If you had pastures where cattle was grazing, you  
4 might have to go down to lower levels. It depends on the  
5 circumstances.

6           Q    What about lakes or ponds where fish are located,  
7 where you have drain off into those ponds--run off?

8           A    That is not really my area. This is the responsi-  
9 bility of the Environmental Protection Agency, and I have  
10 not evaluated that.

11          Q    Doctor, you have evaluated and you do know,  
12 however, that if one eats fish contaminated, that his  
13 levels of dioxin--that one can accumulate in one's body  
14 levels of dioxin from eating just that contaminated fish.  
15 You know that; don't you, ma'am?

16          A    Yes.

17          Q    And you can get that significantly high concentra-  
18 tions simply because of eating the contaminated fish and  
19 absorbing the dioxin from that fish; correct, ma'am?

20          A    It depends on the level of contamination.

21          Q    Dioxin accumulates in the body; doesn't it, ma'am?

22          A    Yes.

23          Q    And any time you are exposed to dioxin, you--and  
24 you ingest it, absorb it--you are accumulating some of

1       that dioxin; aren't you, ma'am?

2           A    Yes.

3           Q    Because of it's half-life?

4           A    Yes.

5           Q    And every day if you are exposed in a fashion that  
6       you will be ingesting or taking into your body portions of  
7       dioxin, you are accumulating some part of that which you  
8       are ingesting or taking in daily; isn't that correct,  
9       ma'am?

10          A    Yes.

11          Q    And, depending upon it's half-life, you would  
12       continue to accumulate until such time as you reach a point  
13       or the amount that you are excreting or disposing of on a  
14       daily basis becomes equal to the amount that you are taking  
15       in--equal to or greater than the amount that you are taking  
16       in on a daily basis; isn't that correct, ma'am?

17          A    Yes.

18          Q    And that to a great extent depends upon the half-  
19       life of the dioxin?

20          A    Yes.

21          Q    In human tissue; isn't that right?

22          A    Yes.

23          Q    And all during that period of time, whether you  
24       are exposed to it in the air, in the soil, in the dirt, in

1 liquid, in fish, in beef, in chickens, and however you  
2 might be getting it into your body, you are accumulating  
3 dioxin; aren't you, ma'am?

4 A Yes.

5 Q And you'll accumulate it until you reach a point  
6 where your excretion becomes greater than your intake;  
7 isn't that correct, ma'am?

8 A Or equal to.

9 Q Or equal to.

10 A Greater or equal to.

11 Q Equal to or greater than. All right, ma'am.

12 That's one reason that the F.D.A. or whoever made the rules  
13 on eating fish said there's a certain level of fish that  
14 you should not eat; correct, ma'am?

15 A Yes.

16 Q Because of the bio-accumulation effect?

17 A Yes.

18 Q All right. And of course, that's a reason that  
19 you at CDC set the level in soil, again, because of the  
20 bio-accumulation factor?

21 A Yes.

22 Q Now, a lot of this depends upon half-life in  
23 humans then. What is the half-life of humans that you  
24 believe to be the appropriate half-life in fat tissue in

1 humans?

2 A There is really not enough information. There's  
3 one scientist who took some TCDD--

4 Q Dr. Froyer. We know that.

5 A Right; I heard that story, too. And that's all I  
6 know.

7 Q Well, you know that the volunteer wasn't described  
8 as a scientist, but in the abstract that came out, it was a  
9 volunteer took some radiomarked TCDD and measured the half  
10 life of that TCDD in his tissue; correct, ma'am?

11 A Yes.

12 Q And that it was concluded by Poiger and Schlatter  
13 at that time that half-life was right at four and a half  
14 years, five years--what is it; I forget now?

15 A Something like that.

16 Q Yes. And do you have any reason to doubt the  
17 validity of their experiment and the result?

18 A No, except that it was a single dose and just one  
19 person.

20 Q That I understand. But, my question is: do you  
21 have any reason to doubt that validity of that single  
22 experiment with that single person?

23 A No.

24 Q And you used and relied upon works and experiments

1 of Poiger and Schlatter in other instances; have you not,  
2 ma'am?

3 A Yes.

4 Q For instance, in your book--in your health assess-  
5 ment document, I noted that you relied upon a number of  
6 Poiger and Schlatter results; did you not, ma'am?

7 A Yes.

8 Q Now, dioxin is by consensus, and by yourself as  
9 well I'm sure, the most potent or one of the very most  
10 toxic--I shouldn't say potent--toxic chemicals made by man;  
11 isn't that correct?

12 A Yes.

13 Q And, however, it is not the most toxic substance  
14 or material known; is it?

15 A No.

16 Q The botulina toxin is more potent than dioxin; is  
17 it not, ma'am?

18 A Yes. And there are some chemical war gasses.

19 Q Chemical war gasses that I guess the rest of us,  
20 we don't know about yet; correct?

21 A Yes.

22 Q All right. But, the difference between the  
23 botulina toxin and dioxin is that the botulina toxin will  
24 not bio-accumulate; will it, ma'am?

1 A Yes.

2 Q Is that correct, ma'am?

3 A Yes.

4 Q So, the toxicity of dioxin can be greatly expanded  
5 and magnified over and above the botulina toxin toxicity  
6 because of the difference? That is, one accumulates in  
7 the body and the other--that is, the botulina toxin--does  
8 not accumulate in the body; isn't that correct, ma'am?

9 A That doesn't necessarily follow. It's just a  
10 difference. One causes chronic toxicity, might cause  
11 chronic toxicity, where the other might not. There is  
12 also, in humans at least, a protective mechanism in that  
13 they--the human--while he has a lot of trouble excreting  
14 it, stores it away in fatty tissue where it is not as  
15 effective on the cells.

16 Q But, that's--most of that is speculative; isn't  
17 it, ma'am?

18 A Yes and no. Monkeys, for instance, and also  
19 guinea pigs have very little fatty tissue, and they are  
20 extremely sensitive to these types of compounds. And that  
21 may be one reason why they are.

22 Q All right. That's not an important point that I  
23 really want to pursue. You made a mention about dioxin in  
24 the half-life in soil. It is a fact, isn't it, ma'am, that

1 as far as when we're talking about half-lives, that in the  
2 soil dioxin doesn't readily decay in the environment and  
3 it's extremely persistent in soil?

4 A It's extremely persistent wherever it does not  
5 come in contact with UV light.

6 Q Well now, Doctor, that's not exactly correct.  
7 It's got to be in contact with UV light and it has to be  
8 associated with some kind of solvent as well; does it not,  
9 ma'am?

10 A It has to have a hydrogen donor.

11 Q Yes.

12 A But, they are also in the environment.

13 Q Doctor, didn't Crosby point out that there has to  
14 be this solvent as well?

15 A No. I mean, I don't know what Crosby pointed out,  
16 but you need to have a hydrogen donor; any hydrogen donor  
17 will do.

18 Q Didn't he study half life in soil, and haven't you  
19 agreed that half life in soil is anywhere from what--one  
20 year to ten years?

21 A Crosby published a paper where he, I think,  
22 measured the degradation on vegetation which was exposed.  
23 And we may be talking about different papers.

24 Q May well. But, I want to talk about the soil and

1 not the vegetation anyway.

2 A I don't know about Crosby's work in soil.

3 Q All right. And, Doctor, you have stated in the  
4 past, have you not, that the half-life in soil is what?  
5 Ten years?

6 A I've estimated that; nobody really knows.

7 Q Yes. And is that still your best judgment that  
8 the half-life in soil, or you best estimate I should say,  
9 is ten years?

10 A Yes, in soil that is not exposed to UV light.

11 Q Well, an exposure to UV light takes precious  
12 little shading or a very thin layer of soil to prevent that  
13 sunlight from reaching it; doesn't it, ma'am?

14 A Yes.

15 Q And nobody knows yet what happens to the dioxin  
16 vapor in the air--you don't agree that it turns into  
17 vapor--but if it does turn into vapor in the air, nobody  
18 knows what happens to it in the sunlight in that form; do  
19 they, ma'am?

20 A I don't know.

21 Q And others have testified in this case on that  
22 point. You have nothing to add, I take it, to what they've  
23 said on the point; isn't that correct?

24 A Well, I don't know what they've said.

1 Q Well--

2 A But, I don't know anything about it.

3 Q You don't know anything about the subject?

4 A Yes.

5 Q Is that correct, ma'am?

6 A I'm sorry; is what correct?

7 Q That you don't know enough about the subject of  
8 the resistance or life of dioxin in the soil or in the air  
9 to make--to give us an expert opinion on the view on the  
10 issue?

11 A That's correct.

12 Q All right. Now, Doctor, to sum up your knowledge  
13 as to the long term low dose exposure to dioxin--well,  
14 first of all, you consider low dose to be in the lower  
15 parts per billion, do you not, ma'am, and below? Or is it  
16 fair to say that you consider anything above one billion a  
17 high dose exposure--one part per billion?

18 A This is in soil, or where people ingest it, or--I  
19 mean, what--

20 Q Well, whatever? Well, in soil, for instance?  
21 Anything above 1 part per billion in soil, do you consider  
22 that to be high exposure?

23 A No.

24 Q All right. What is the level of contamination in

1 the soil that you would consider to be high exposure?

2 A That would depend on the circumstances.

3 Q In a residential area where people will be exposed  
4 to it?

5 A I would call anything--anything below 1 part per  
6 billion I would not be concerned about at all. That's one  
7 category. The next category of low exposure--and you were  
8 asking me about low exposure or high exposure?

9 Q High exposure.

10 A High exposure. Then, anything above 100 parts per  
11 billion I would consider high exposure.

12 Q In the soil? If the soil is contaminated with  
13 that?

14 A Yes. And that would be of great concern. Any-  
15 thing above 20 would be in sort of an intermediate range,  
16 between 20 and 100, as far as I'm concerned.

17 Q All right.

18 A Now, there are other variables. In Missouri, and  
19 that's why we were always--we always said that whatever we  
20 evaluated was for Missouri. In addition to the 2,3,7,8-  
21 tetrachlorodibenzo-para-dioxin, this material was also  
22 mixed in oil. And it's possible that that would increase  
23 it's biodegradability versus other areas where you would  
24 not have this addition of the oil.

1 Q All right. You mentioned from 20 to 100 is an  
2 intermediate zone. And from 20 parts per billion and  
3 below, down to 1 part per billion, what do you consider  
4 that zone?

5 A In a residential area with small children, I would  
6 also be concerned. In an industrial site, depending on the  
7 situation, and depending on the type of exposure--and of  
8 course, all of this is explained in our paper--

9 Q I know.

10 A I would not--you might not have a lot of concern,  
11 and your actions might be different.

12 Q I'm talking about residential zones right now.  
13 And from 1 part per billion and below is what you consider  
14 low dose or--I'm sorry, not low dose, but exposure--well,  
15 yes, I do mean low dose exposure. Correct; ma'am?

16 A I consider that as of no concern.

17 Q And you consider--well, all right. You consider  
18 it of no concern. But, you have no knowledge as to what  
19 the long term health effects will be from exposure to  
20 levels of 1 part per billion and below; isn't that correct,  
21 ma'am?

22 A Based on the knowledge that I have, and based on  
23 animal experiments, and my own experience in the area of  
24 toxicology, I don't think there will be any health effects,

1 or I don't think there are any health effects.

2 Q That isn't really what I asked you. You don't  
3 know the health effects from that kind of exposure; isn't  
4 that correct, ma'am?

5 A Yes, I do; they are none.

6 Q I'm sorry?

7 A I do. There are none.

8 Q There are none?

9 A Yes.

10 Q Where have you had human long term exposures to low  
11 doses to allow you to come to that conclusion, ma'am?

12 A The general population has been exposed to low  
13 doses.

14 Q And how do you know that the general population  
15 hasn't been effected by it?

16 A The health of the general population--the health  
17 of the general population seems to be improving. We live  
18 longer now and our life expectancy is increasing.

19 Q Doctor, that doesn't mean that our health is  
20 improving. You can have--you can live 80 years with pains  
21 in the back; you can live 80 years with joint pains; you  
22 can live 80 years with having colds; you can live 80 years  
23 having headaches; you can have all kinds of health effects  
24 and have long life; can you not?

1 MR. HEINEMAN: Object to the question as argu-  
2 mentative, your Honor.

3 THE COURT: Overruled. It's proper cross.

4 A These sorts of health effects have always been  
5 there. They've been there before.

6 Q There's no doubt about that, ma'am. But, if they  
7 are increased or exacerbated by exposure to dioxin, that  
8 still is a health effect caused by dioxin; is it not?

9 A They are not increased or exacerbated.

10 Q How do you know that?

11 A If you compare what data we have from years in the  
12 past, and I've sometimes tried to do that, to what we know  
13 now, there either is no difference, or there is something  
14 like the increase in cancer of the lung because of smoking,  
15 or there are declines in health effects.

16 Q Well now, Doctor, you are talking about signifi-  
17 cant health effects when you talk about lung cancer. I'm  
18 talking about just general health. Let me put it a differ-  
19 ent way. Isn't it a fact that whether or not there will be  
20 chronic health effects is not known yet, because it takes a  
21 long time for such a health effects to show up, and because  
22 of the life span in humans is very long?

23 A If you are talking about the low level background  
24 contamination in our environment, we have now had that, as

1 far as commercial products are concerned, for--

2 Q Pardon?

3 A As far as commercial products are concerned, for  
4 at least since right after the Second World War. And as  
5 far as the fire and combustion and incinerators are con-  
6 cerned, we've had that longer than that.

7 Q Doctor, would you answer my question?

8 A There has been enough long term exposure that,  
9 this low level long term exposure, that you could make some  
10 judgment.

11 Q And when did you make that judgment, ma'am? How  
12 long have you had that opinion?

13 A I've had that opinion for several years and for  
14 some--for quite a number of years.

15 Q How many would that be?

16 A Five or six, maybe. I don't remember. There's a  
17 paper that shows the contamination with TCDD in different  
18 areas of sediment, I think in Lake Michigan, which give us  
19 also some information about some of this background contami-  
20 nation.

21 Q No; but, you've had this opinion as to the long  
22 term health effects--chronic health effects--for at least  
23 five years or seven?

24 A I have started looking at that in connection

1 with--in the publications--

2 Q Can you answer--

3 A I don't quite know the year, you see. When the  
4 papers came out about the incineration, and also this paper  
5 about the various levels of TCDD that were found in the  
6 sediment in Lake Michigan where they found an appreciable  
7 increase over a period of time and then tried to relate  
8 that to the period when the sediments were put down.

9 Q Did you have that opinion at least by the time you  
10 wrote your article "Health Complications of 2,3,7,8-tetra-  
11 chlorodibenzo-para-dioxin Contamination in Residential  
12 Soil"; you and Falk and Stehr wrote?

13 A Yes.

14 Q You had that opinion at that time?

15 A After--

16 Q Could you answer that question?

17 A Yes.

18 Q And that--published that in '84. Did you have  
19 that opinion in--are you talking--when you talk about your  
20 sediment in Lake Michigan, you are talking about the  
21 Kingston Ottawa Study?

22 A I think that's the study; I'm not--

23 Q With the fish?

24 A I--

1 Q Now, Doctor, in your deposition that you gave in  
2 1982, did you have that opinion then?

3 A I probably did; I don't remember whether it came  
4 up.

5 Q Well, let me read you what you answered at that  
6 time. And this is--I'm giving it to you as part of your  
7 answer--

8 MR. HEINEMAN: May I see it, please?

9 MR. CARR: In a moment.

10 Q "Whether there will be chronic health effects is  
11 very--not known yet, because it takes a long time since the  
12 life span in humans is very long." Did you make such a  
13 statement? You recall that; don't you, ma'am?

14 A But, that's in connection with high level  
15 exposure, and you are asking me about background exposure.

16 Q No, I'm asking about low level exposure.

17 A You were talking about 1--below 1 part per  
18 billion.

19 Q I was talking about low level exposure--chronic  
20 long term low level exposure.

21 A To me that goes into the background exposure that  
22 the general population is getting, and that I don't--has no  
23 effect on the general well-being of the population.

24 Q Well, what about exposure like at Seveso?

1           A    The long term exposure is generally in that--first  
2   of all, that population hasn't had any long term exposure;  
3   it only had short term acute exposure. They were then  
4   removed, the place was cleaned up, they were moved back in.

5           Q    Doctor, the question was asked you relating to  
6   Seveso, on page 57, "Were there any reported--anything  
7   reported in connection"--and this is at the deposition in  
8   December 1982 where Bliss is suing Lafser--the question  
9   asked you, "Were there reported--anything else reported in  
10   connection with the Seveso, Italy incident with which you  
11   agree?" You answer was, "There were some abnormal liver  
12   function tests, and there were some abnormal nerve con-  
13   duction tests. I've not actually reviewed that in detail.  
14   Whether there will be chronic health effects is very--not  
15   known yet, because it takes a long time since the life span  
16   in humans is very long." Do you recall that answer at that  
17   time?

18          A    That population had--

19          Q    My question is: do you recall that being your  
20   answer?

21          A    I don't recall that answer, but--

22          Q    That would have been the truth at that time?

23          A    Yes, that's what I said; but that was talking  
24   about the population in Seveso, which was a population that

1 had high level short term exposure. So, it's--

2 Q Some of them had high level short term exposure;  
3 some of them had low level exposure?

4 A Some of those tests that they are talking about  
5 there were in people that actually had chloracne.

6 Q Yes; but, that test isn't what I'm directing your  
7 attention to. I'm directing your attention to the fact  
8 that you said there as far as chronic health effects, it is  
9 not known yet, because it takes a long time since the life  
10 span in humans is very long.

11 A Yes. That's for high level exposure.

12 MR. HEINEMAN: Excuse me, Doctor. Objection,  
13 your Honor, the question is taken out of context.

14 MR. CARR: I've read the entire question and her  
15 answer, your Honor.

16 THE COURT: Do you have anything else to say on  
17 the objection?

18 MR. HEINEMAN: Yes. The first part of it, he  
19 read--he read a much longer part where he's talking about  
20 certain results--

21 THE COURT: Let me see the question.

22 MR. CARR: Sure.

23 (At this time the deposition was taken to the  
24 bench and reviewed by the Court.)

1 THE COURT: Your objection is overruled. I don't  
2 think it's out of context.

3 Q Now, Doctor, your answer there regarding the  
4 Seveso people were based upon people who had a short term  
5 exposure, and you responded that whether or not there are  
6 going to be chronic health effects from the exposure the  
7 people of Seveso had is not known yet, because it takes a  
8 long time since the life span in humans is very long.  
9 Isn't that what you said, ma'am?

10 A Yes.

11 Q And you were referring to the fact that these  
12 people in Seveso had this exposure at that point in time  
13 and that because human life is so long, it had not yet had  
14 time for long term health effects to show up. Isn't that  
15 correct, ma'am?

16 A For that population.

17 Q Yes; right. Now, ma'am, on the high dose or high  
18 level exposure, what are the health effects that you expect  
19 to be--show or develop from this high level exposure, and  
20 exposure to higher levels or concentrations of dioxin?

21 A The thing that hasn't been worked out properly  
22 is--the one question is cancer.

23 Q All right. That hasn't been worked out yet. So,  
24 there's a question--you certainly would worry about cancer;

1 would you not, ma'am?

2 A That would be a chronic health effect that you  
3 would--

4 Q I'm sorry?

5 A Yes.

6 Q And, what other health effects are there from high  
7 levels of exposure to dioxin?

8 A There are no health effects that we know of. The  
9 things we would worry about would be things like cancer.

10 Q Are you saying that there are no risks involved  
11 with exposure to high levels of dioxin other than the risk  
12 of cancer?

13 A There have not been any demonstrated health  
14 effects other than chloracne.

15 Q My question is that sofar as you, Dr. Kimbrough,  
16 is concerned, there are no health effects connected with  
17 high dose exposure other than chloracne and the possible  
18 worry about cancer?

19 A We're not talking about chronic health effects--

20 Q Yes, we're talking about any kind of health  
21 effects, Doctor, from exposure to high levels of dioxin.

22 A Any kind of health effect is different from  
23 chronic health effect to me.

24 Q Well, then, let me include it to any kind of

1 health effect. What are the health effects that are conse-  
2 quences of exposure to high levels of dioxin?

3 A There have been in workers acute health effects  
4 which have been described.

5 Q And those are what?

6 A And some of--there's the chloracne; there can be  
7 hyperpigmentation; there have been things like general  
8 malaise; and there have been some abnormal liver function  
9 tests. There have been some complaints in the GI system;  
10 and there have been problems with sensory neuropathy.  
11 Those acute effects have usually resolved themselves over  
12 the years.

13 Q Usually, but not always?

14 A Except for the chloracne, which seems to be  
15 extremely persistent.

16 Q Now, the others, you say usually they resolve  
17 themselves. But not always?

18 A Not always.

19 Q Doctor, in addition to those that you mentioned,  
20 there are other organs that are affected, which are the  
21 liver, the thymus, the kidney--

22 A I mentioned the liver.

23 Q Oh, you did?

24 A Yes.

1 Q Well, what about the thymus and the kidneys--the  
2 thymus and the kidneys? Aren't they also effected?

3 A We don't know about the thymus; and as far as the  
4 kidney is concerned, the only acute effect that we saw was  
5 in that one girl in Missouri that had the hemorrhagic  
6 cystitis. But, that has not been reported in any other  
7 people--in workers.

8 Q Doctor, didn't you testify in this Lafser case  
9 when they asked you, "What are the symptoms that you as a  
10 toxicologist would look for or expect to see when an animal  
11 or human, etc., was exposed to dioxin. Aside from hyper-  
12 caratosis and the chloracne, are there other symptoms?"  
13 And you said, "The symptoms and signs in different species  
14 vary. The organs that might be effected are the liver, the  
15 thymus, the kidneys. In addition, there seems to be a  
16 general effect which produces severe weight loss; and often  
17 loss of adipose tissue, fatty tissue. If the exposure  
18 occurs to high degrees or high concentrations of dioxin,  
19 there may be an effect on reproduction. From long term  
20 exposure, you worry about cancer." Wasn't that your answer  
21 to that question at that time?

22 MR. HEINEMAN: Excuse me, your Honor. Excuse me,  
23 Doctor. May Counsel approach the bench, your Honor?

24 THE COURT: Sure.

1 Q So, actually when you set a level of 1 part per  
2 billion as being below that--or above that of concern,  
3 you're actually talking about of concern for risk of  
4 cancer; aren't you, ma'am?

5 A Yes.

6 Q And you are not talking about any other single  
7 health effect; are you, ma'am?

8 A All other single health effects you could proba-  
9 bly have--for other single health effects, you could have  
10 higher doses and you would not have a problem.

11 Q That isn't what I've asked you, ma'am. This  
12 study, this publication that you had, purports to and deals  
13 only with the single ailment, that is, cancer, and related  
14 only to the risk of getting cancer from exposure to these  
15 levels of dioxin; isn't that correct, ma'am?

16 A Yes.

17 Q And there are a world of other health problems--  
18 heart attacks, brain disease, paralysis, porphyria--there's  
19 any number of other health problems besides cancer; isn't  
20 that correct, ma'am?

21 A Yes.

22 Q And this paper of yours did not address the health  
23 complications of any of those other problems; did it,  
24 ma'am?

1                   (The following proceedings were had at the  
2 bench.)

3                   MR. HEINEMAN: Your Honor, I object to that  
4 questioning as not being impeaching and an improper use of  
5 a prior deposition, because it clearly states that she was  
6 asked at that time about both animals and humans, and on  
7 this occasion she was asked about humans. And therefore,  
8 that's not impeaching. And I object to it as an improper  
9 use of the prior deposition.

10                  MR. CARR: Her answer, she did not limit it to  
11 animals; her answer was a response to a question that said  
12 animals and--or was it or--an animal or a human. It  
13 doesn't say just animals; it says animals or humans.

14                  THE COURT: She had the opportunity to limit it  
15 if she wanted to limit it during the answer. Apparently  
16 she didn't. I don't think that the fact that it was asked  
17 of either or takes away from its value as impeachment  
18 given the answer that was made. Overruled.

19                  (The following proceedings were had out of the  
20 hearing and presence of the jury.)

21                  Q And Doctor, when you said "and for long term  
22 exposure you worry about cancer" your answer there  
23 certainly was directed to a human being--worry about human  
24 beings; wasn't it?

1           A    It was directed to the animals and human beings.  
2           And we have found cancer in animals.

3           Q    Well, my question is that your answer related to  
4           animals and human beings; did it not, ma'am?

5           A    My answer related to animals and human beings,  
6           yes.

7           Q    All right. And you said for long term exposure  
8           you worry about cancer; didn't you say that, ma'am?

9           A    Yes.

10          Q    That was the truth at that time; wasn't it, ma'am?

11          A    Yes.

12          Q    Related to human beings; wasn't it, ma'am?

13          A    Also, yes.

14          Q    Now, Doctor, in point of fact, you haven't really  
15          done any study or any work to see what some of the lesser  
16          type ailments might be that result from dioxin exposure  
17          such as headaches, and peripheral neuropathies, weakness,  
18          and the joint aches, and general malaise? You really  
19          haven't done any studies or work connected with dioxin and  
20          those being the symptoms you are looking for; have you,  
21          ma'am? Other than the Missouri Study?

22          A    When you say "you" do you mean all of CDC or do  
23          you mean me?

24          Q    I mean CDC.

1 A We have--NIOSH is part of CDC.

2 Q Well, NIOSH just works on cancer.

3 A No, they also do cross sectional studies.

4 Q Did they do some studies on dioxin exposure and  
5 these other types of problems?

6 A There hasn't been anything published.

7 Q There's nothing that we can see or read or that's  
8 available to us?

9 A No.

10 Q Actually the only study that we've got in which  
11 they went out and asked questions and tried to expose these  
12 general kind of problems that I've discussed to you are non  
13 specific or less specific kind of problems that I've dis-  
14 cussed with you. The only thing we've got is this Missouri  
15 Health Study; is that correct, ma'am?

16 A That's the only thing that has been published.

17 Q Yes. And insofar as your--the study that you  
18 published in 1984, that is, "The Health Complications of  
19 2,3,7,8 TCDD Contamination in Residential Soil", the only  
20 really thing that you used in estimating your risks and  
21 arriving at your levels was the risk of cancer; and then  
22 you used just the risk of cancer in animals as a criteria;  
23 isn't that right, ma'am?

24 A Yes.

1 A There was no reason to do that.

2 MR. CARR: Your Honor, would you tell the witness  
3 to answer that question.

4 THE COURT: I don't think that was responsive.  
5 You have to answer the question, please.

6 THE WITNESS: Could I have the question again,  
7 please?

8 (The previous question was read back by the court  
9 reporter.)

10 A Yes, it did.

11 Q And what other problems did it address in addition  
12 to cancer?

13 A It addressed reproduction, and it also discussed  
14 the human health effects.

15 Q What level did--where did you--well, you discussed  
16 those things, but--maybe my question was imprecise. The  
17 risk assessment that you undertook for just related to  
18 cancer; did it not, sir--ma'am?

19 A No. We also looked at the effects on repro-  
20 duction in monkeys.

21 Q All right. You are correct. You said, "The  
22 exposure assessment used was for estimating risks being for  
23 carcinogenicity and reproductive health effects." Correct,  
24 ma'am?

1 A Because those seemed to be the most sensitive.

2 Q Excuse me, could you answer my question? Just  
3 those two things that you used in your exposure assess-  
4 ments?

5 A No.

6 Q Isn't that what you said? Didn't you use and  
7 didn't you say on page 80, ma'am, "For these reasons this  
8 study was not used for risk assessment calculations, but  
9 only the chronic toxicity studies which demonstrated a  
10 carcinogenic response in rodents were used." Didn't you  
11 say that, ma'am?

12 A But, I have reasons for that.

13 Q Excuse me; my question is: didn't you say that,  
14 ma'am?

15 A I said, "For these reasons", yes.

16 Q And on the next page, didn't you also say, "It  
17 must be stressed that the exposure assessments used in  
18 estimating risks for carcinogenicity and reproductive  
19 health effects contain critical assumptions that are not  
20 likely to be actually encountered." Isn't that correct,  
21 ma'am?

22 A Yes.

23 Q And did you also discuss about these are  
24 calculations for--that you made for long term risk as far

1 as cancer is concerned?

2 A Yes.

3 THE COURT: Is this a good point to break?

4 MR. CARR: Yes, your Honor.

5 THE COURT: Okay. We'll start Monday morning at  
6 9:30. Thank you, Doctor.

7 (At this time Court adjourned for the day.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1       STATE OF ILLINOIS       }  
2       COUNTY OF ST. CLAIR    )

SS.

3  
4  
5  
6       I, TRACY LYBARGER, C.S.R., Official Court  
7       Reporter in and for the Twentieth Judicial Circuit, and the  
8       Official Court Reporter who transcribed the above-styled  
9       cause had on January 10, 1986, do hereby certify that the  
10      foregoing transcript of proceedings is a true, correct and  
11      complete transcript of the proceedings had on said date.

12             DATED this 16th day of January, 1986.

13  
14  
15  
16  
17  
18             Tracy Lybarger  
19             TRACY LYBARGER, C.S.R.

20             Official Court Reporter  
21  
22  
23  
24

STATE OF ILLINOIS           )  
COUNTY OF ST. CLAIR       )     SS.

I, RICHARD P. GOLDENHERSH, Circuit Judge in and for the Twentieth Judicial Circuit, hereby certify that the above is a true and correct transcript of the proceedings had in the case captioned: FRANCES E. KEMNER, ET AL v. MONSANTO COMPANY, Cause No. 80-L-970, heard on January 10, 1986.

DATED this        day of January, 1986.

  
RICHARD P. GOLDENHERSK, Circuit Judge