

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF PENNSYLVANIA

-----X
IN RE: ASBESTOS PRODUCTS LIABILITY Civil
LITIGATION (NO. VI) Action No.
MDL 875
-----X

This Document Relates To:

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF MINNESOTA
FIFTH DIVISION

CONWED CORPORATION,

Plaintiff,

Civil
Action
No. 5-92-88

-against-

UNION CARBIDE CORPORATION,

Defendant and
Third Party Plaintiff

-against-

OWENS-CORNING FIBERGLAS
CORPORATION et al,

Third Party Defendant.

DEPOSITION
FILE COPY

August 13, 1998
EDWARD ILGREN



RECEIVED SEP 03 1998

Court Reporting and Litigation Support Services • Around the Clock • Around the World

369 Lexington Avenue, Suite 400 • New York, New York 10017 • Tel: (212) 867-8220 or 1-800-63-DOYLE • Fax: (212) 286-1853
World Wide Website: www.doyle-reporting.com

UCAREF00008738

August 13, 1998
9:50 a.m.

Continued deposition of EDWARD ILGREN, taken by Plaintiff, pursuant to adjournment, at the offices of Kelley, Drye & Warren, L.L.P., 101 Park Avenue, New York, New York, before Nancy R. Sullivan, a Shorthand Reporter and Notary Public within and for the State of New York.

UCAREF00008739

A p p e a r a n c e s:

STICH, ANGELL, KREIDLER,
BROWNSON & BALLOU, P.A.
Attorneys for Plaintiff
The Crossings, Suite 120
250 Second Avenue South
Minneapolis, Minnesota 55401

BY: ROBERT D. BROWNSON, ESQ.

and

RUDNICK & WOLFE, ESQS.
Attorneys for Conwed Corporation
203 North LaSalle Street
Chicago, Illinois 60601-1293

BY: MICHAEL R. GOLDMAN, ESQ.

of Counsel

KELLEY, DRYE & WARREN, L.L.P.
Attorneys for Defendant and
Third Party Plaintiff Union Carbide
Corporation
101 Park Avenue
New York, New York 10000

BY: ALAN J. GERSON, ESQ. 10/17/8

and

FOLEY & LARDNER, ESQS.
Firststar Center
777 East Wisconsin Avenue
Milwaukee, Wisconsin 53202-5367

BY: TREVOR J. WILL, ESQ.

of Counsel

oOo

UCAREF00008740

1

2 E D W A R D I L G R E N

3 resumed having been duly resworn, was
4 examined and testified further as follows:

5 EXAMINATION (Continued)

6 BY MR. BROWNSON:

7 Q. Good morning, Dr. Ilgren.

8 A. Good morning.

9 Q. We are now continuing your deposition
10 on the case of Conwed versus Union Carbide versus
11 others.

12 A. Yes, sir.

13 Q. And I wanted to begin by asking you
14 some questions about a series of three recent
15 papers that you have authored which are entitled
16 "Coalinga Fibre - a Short Amphibole-Free
17 Chrysotile," parts 1, 2 and 3.

18 Do you have those in front of you
19 there?

20 A. Yes, I do.

21 Q. Why don't we begin by talking about
22 those.

23 Now, these papers have been published
24 in a journal called Indoor Built Environment,
25 correct?

Ilgren

1

2

A. Yes.

3

Q. What kind of journal is that?

4

A. It is a peer review journal that is
5 based in the United Kingdom published by Karger
6 Press in Basel, Switzerland.

7

Q. How would you describe this journal?
8 Is it a scientific journal, a medical journal or
9 what is it? How would you describe it?

10

A. I would say it is a combination
11 medical, scientific.

12

Q. Does it publish articles on medical
13 topics?

14

A. Some. Occasionally a case report.
15 Some medical articles.

16

Q. Do you know has it published other
17 animal inhalation studies that you are aware of?

18

A. I don't know.

19

Q. Now, it indicates here that the
20 authors of these three articles are yourself and
21 Eric Chatfield, is that correct?

22

A. Yes.

23

Q. And I am now focusing on parts 1, 2
24 and 3 which we have in front of us here and we
25 will get into this in some detail later, but

Ilgren

1
2 before you do, can you tell me what part of those
3 three papers was done by you and what part was
4 done by Dr. Chatfield?

5 A. I've done, I suppose, virtually all
6 of the papers.

7 Q. Can you -- is there any particular
8 item in the three papers, part 1, 2 and 3, that
9 Dr. Chatfield did that you can point to us so we
10 could mark that as something that was done by Dr.
11 Chatfield?

12 A. No, sir.

13 Q. Now, are there further parts to this?
14 I see reference to other things in press as I read
15 these papers?

16 A. Yes, sir.

17 Q. What other parts are there?

18 A. Part 4 is the hygiene analysis which
19 will also include a description of the physical
20 and chemical properties, and there may be a part 5
21 talking about more general implications of the
22 work, but there is definitely a part 4.

23 Q. Is that something that is actually
24 being worked on at this point?

25 A. Yes, sir.

1 Ilgren

2 Q. So that is work underway?

3 A. Yes, sir.

4 Q. Is that in press, so to speak, or is
5 it prepress?

6 A. It is prepress.

7 Q. And does Dr. Chatfield have something
8 to do with the part 4?

9 A. Yes, sir.

10 Q. And just so I can understand a little
11 bit about what this is, you say the part 4 will
12 deal with hygiene conditions.

13 What do you mean by that, hygiene of
14 what and where?

15 A. It will look at the fiber counts and
16 size characteristics of Coalinga chrysotile as
17 compared to Canadian chrysotile in air as noted on
18 direct examination as opposed to in water
19 following indirect examination.

20 Q. When you say in air on direct
21 examination, are you talking about a direct
22 analysis technique?

23 A. Yes, sir.

24 Q. Basically asbestos fibers are
25 collected out of air through a pump and deposited

1 Ilgren

2 onto a filter and analyzed?

3 A. Yes, sir.

4 Q. And have those samples been obtained
5 already or are these something that is going to be
6 obtained in the future?

7 A. They have been obtained.

8 Q. Where are they from?

9 A. They are from the Muhle, et al.
10 animal 1987 bioassay and also from filters taken
11 by KCAC in the region of the -- I believe region
12 from the mill but also perhaps the mine.

13 Q. And who has these materials at the
14 present time?

15 A. Dr. Chatfield.

16 Q. How was he able to get air filters
17 from KCAC Company?

18 A. I requested KCAC to have them sent to
19 Dr. Chatfield.

20 Q. Did KCAC just give them to you?

21 A. They sent them to Dr. Chatfield.

22 Q. Do you do any work for KCAC or how is
23 it that they give you filters?

24 A. I just called them and asked them to
25 give filters to Dr. Chatfield.

1 Ilgren

2 Q. For example, when I called them over
3 the years to get things, they wouldn't even talk
4 to me. I am just curious why is it they talk to
5 you and give you things?

6 A. I don't know.

7 Q. It is true, isn't it, because they
8 know you are working for Union Carbide that
9 therefore they are cooperating with you in your
10 work and investigation?

11 A. Perhaps. I don't know.

12 Q. Well, who have you dealt with at KCAC
13 in connection with obtaining these filters?

14 A. I have dealt with Mr. John Myers and
15 Mr. Ed -- well, formerly Mr. Myers and presently
16 Mr. Kleber.

17 Q. And both of those gentlemen of course
18 know that you are an expert retained by Union
19 Carbide in litigation and are working for Union
20 Carbide, don't they?

21 A. I don't know.

22 Q. Well, have you told them that?

23 A. I haven't told them. They may know
24 or they may not know.

25 Q. Who was it who made the introduction

1 Ilgren

2 to you to Mr. Myers?

3 A. I don't recall.

4 Q. Do you remember when you first
5 contacted Mr. Myers about obtaining these air
6 samples?

7 A. No, not really. Years ago.

8 Q. So you have had these air samples for
9 several years or these filters?

10 A. At least.

11 Q. Is there any correspondence between
12 you and Mr. Myers dealing with these filters or
13 Mr. Kleber?

14 A. Not to my recollection.

15 Q. Was this contact between you and
16 Myers or Kleber over the telephone?

17 A. Yes, sir.

18 Q. And was this direct contact between
19 you and these two gentlemen or was it through
20 intermediaries?

21 A. It was direct contact, as I recall;
22 direct contact as I recall, it was direct.

23 Q. And in terms of these air filters you
24 have obtained from KCAC, do you know when the
25 filters were taken or the air samples were taken?

Ilgren

1

2

A. No.

3

Q. Is there any information that was
4 provided to you that tells you when they were
5 taken?

6

A. There may be.

7

Q. Have you seen anything that says when
8 they were taken?

9

A. They went directly to Dr. Chatfield,
10 so whatever he has may serve to identify the date.
11 I just don't know.

12

Q. And are you of the impression these
13 were taken in the mill?

14

A. I believe so. I don't recall exactly
15 whether they were taken in the mill or they were
16 taken at the mine.

17

Q. Now, with respect to these -- well,
18 let me ask you this.

19

When do you anticipate this part 4
20 paper might be published?

21

A. Several months.

22

Q. And do you intend to submit it to the
23 same journal, Indoor Built Environment?

24

A. Yes, sir.

25

Q. Now, with respect to these samples

Ilgren

1

2 from Dr. Muhle, this is the doctor in Germany, is
3 that correct?

4 A. Yes.

5 Q. What have you gotten from him or what
6 has Dr. Chatfield gotten from him? Are those
7 filters?

8 A. Yes.

9 Q. And are those filters of samples
10 taken within rat inhalation chambers?

11 A. Yes, sir.

12 Q. And who has those filters? Does Dr.
13 Chatfield have those filters?

14 A. Yes, sir.

15 Q. Did he get those directly from Dr.
16 Muhle or did you obtain those?

17 A. Directly from Dr. Muhle.

18 Q. Have you spoken to Dr. Muhle about
19 getting those filters?

20 A. Yes, sir.

21 Q. Were you the one who originally
22 requested them?

23 A. Yes, sir.

24 Q. And what did you do, call him up or
25 write him or meet with him or what?

1 Ilgren

2 A. I can't recall. I certainly spoke to
3 him on the phone several times.

4 Q. Did you ask him to send those filters
5 to Dr. Chatfield?

6 A. Yes, sir.

7 Q. And these are filters from some
8 animal inhalation study that he published in 1987?

9 A. Yes, sir.

10 Q. Do you know when those air samples
11 were taken?

12 A. Not exactly, no, sir.

13 Q. But it would be sometime before '87?

14 A. Yes, sir.

15 Q. And was he willing to part with those
16 filters?

17 A. Yes, sir.

18 Q. What did you tell Dr. Muhle that you
19 needed the filters for?

20 A. For electron microscopical analysis.

21 Q. Did you tell him that you were
22 working for Union Carbide and were interested in
23 helping them in their litigations with Coalinga
24 fibre?

25 A. I don't recall.

1 Ilgren

2 Q. And who is paying for Dr. Chatfield's
3 analysis of these filters from KCAC and from Dr.
4 Muhle, do you know?

5 A. Dr. Chatfield.

6 Q. So he is funding that work himself?

7 A. Yes, sir.

8 Q. And will Union Carbide reimburse him
9 or pay him for any of that?

10 A. I don't believe so.

11 Q. Why is that is he just doing it --

12 MR. GERSON: Objection. You are
13 going to ask him why Union Carbide is or is
14 not doing something.

15 MR. BROWNSON: If he knows.

16 MR. WILL: Well, you can ask me.

17 MR. BROWNSON: I would rather ask
18 Dr. Ilgren.

19 A. It is part of our independent
20 research.

21 Q. Now, you have told us before about
22 this independent research. Are you telling us,
23 Dr. Ilgren, that the work that you and Dr.
24 Chatfield have done and will do with respect to
25 this Canadian or Coalinga fibre is being funded

1 Ilgren

2 solely by you and Dr. Chatfield?

3 A. Yes, sir.

4 Q. And you are receiving no
5 reimbursement as part of that from Union Carbide
6 or its attorneys?

7 A. Yes, sir.

8 MR. GERSON: Objection.

9 A. Why don't you qualify this research.

10 MR. GERSON: I was referring to the
11 form of the question. You asked him in the
12 negative.

13 MR. WILL: To put it simply, for
14 example, he is being paid for his time here
15 today to talk about these articles, but he
16 was not paid by Union Carbide or by me or
17 the Center for Claims Resolution to do the
18 articles or the research the articles is
19 based on.

20 BY MR. BROWNSON:

21 Q. And the TEM analysis that Dr.
22 Chatfield is doing of the filters from Dr. Muhle
23 and the filters from Dr. -- I'm sorry, from KCAC,
24 he is just paying for that himself?

25 A. Yes.

1 Ilgren

2 Q. And as far as you know, he is
3 receiving no reimbursement from Union Carbide or
4 any Union Carbide lawyers or the Center for Claims
5 Resolution in doing that analysis?

6 A. That's correct.

7 Q. Let's now turn to the papers we have
8 in front of us, and I am looking at the first
9 paper which is entitled part 1?

10 A. Yes, sir.

11 Q. Do you have that in front of you?

12 A. Yes, sir.

13 Q. And I am reading from the abstract.

14 But before we get to the abstract,
15 let's get to the title, which is entitled
16 "Coalinga Fibre - A Short Amphibole-Free
17 Chrysotile."

18 Do you see that title?

19 A. Yes, sir.

20 Q. Are you the author of that title?

21 A. Yes, sir.

22 Q. Where in this paper is there any data
23 that shows that the Coalinga fibre is indicated
24 short?

25 A. I don't believe there are any in this

1 Ilgren

2 paper. I would have to go through it again. Off
3 the top of my head, I don't believe that there is
4 any specific data that indicated short.

5 MR. GERSON: If you need to take
6 time to look through anything, you can take
7 all the time you like.

8 Q. Would you agree with me as a general
9 proposition that when scientific papers are
10 published and the title of the paper, for example,
11 talks about short chrysotile that as a general
12 matter there is data in the paper that supports
13 that and would let the reader see that this, in
14 fact, is a short chrysotile?

15 A. I believe there is some reference to
16 a future paper, which -- again I have to go
17 through this -- which makes reference to our
18 forthcoming paper that would let the reader know
19 that there is data to that effect.

20 Q. And that's the part 4 that we spoke
21 about a moment ago?

22 A. Yes, sir.

23 Q. So is that data then or -- I'm sorry,
24 is Dr. Chatfield's electron microscope analysis
25 now complete so that you have the data indicating

1 Ilgren

2 that the Coalinga fibre is short?

3 A. Yes, sir.

4 Q. What does that data show in terms of
5 the size of the Coalinga fibre?

6 A. It demonstrates that an aqueous
7 solution Coalinga fibre is 96 percent or more or
8 less than 5 microns in length, and as I recall
9 less than 1 percent shorter than 10 microns long.

10 Q. And this is analysis done by Dr.
11 Chatfield?

12 A. Yes, sir.

13 Q. And do you know why that wasn't
14 presented in this paper where -- part 1 where the
15 Coalinga fibre is described as short?

16 A. Because the focus is on fibrosis.

17 Q. Will you will agree with me that this
18 paper and parts 2 and 3, for example, contain
19 references of other scientific papers that have
20 data about the size of Coalinga fibre? Are you
21 aware of that?

22 A. I would have to go through and check.

23 Q. Now, continuing in the title, it says
24 "Coalinga Fibre - A Short Amphibole-Free
25 Chrysotile," is there any data in this paper that

1 Ilgren

2 shows that Coalinga fibre is amphibole-free?

3 A. It is the same response in the part 4
4 that will be written with Dr. Chatfield, that
5 information is contained in that as well.

6 Q. Would you agree with me again as a
7 general matter in scientific papers if you don't
8 have data to support these statements that
9 normally you don't make a statement like that, if
10 you don't have data contained within a paper?

11 MR. WILL: You have asked him two
12 questions.

13 MR. BROWNSON: Well, I will ask the
14 second question.

15 Q. Would you agree with me as a general
16 proposition that in scientific papers if you are
17 going to title it a short, amphibole-free
18 chrysotile, a reader would expect data which would
19 indicate it is short and amphibole-free?

20 A. I think there should probably be some
21 reference to help the reader find the information
22 in the paper.

23 Q. But is there any such way the reader
24 could find the information out of this paper?

25 A. Again I would have to go through. I

Ilgren

1
2 believe there is a reference to our forthcoming
3 part 4 paper.

4 Q. But of course the reader couldn't
5 find that because it is not published, isn't that
6 correct?

7 A. Soon to be published.

8 Q. But it is not published?

9 A. No, sir.

10 Q. And now I am looking at the abstract
11 of the paper, and about two-thirds of the way down
12 it says, and I am quoting, "The first, from
13 Coalinga, California is comprised of fibres that
14 are almost all less than 5 microns in length and
15 is not contaminated with amphibole."

16 Do you see that?

17 A. Yes, sir.

18 Q. Would you agree with me that there is
19 no data obtained in either this paper or in parts
20 2 or 3 that support that statement?

21 A. Yes, sir.

22 Q. Then you continue and say, "The other
23 two," and I am quoting, "The other two, the
24 Jeffrey fibre and the UICC/B standard, are both
25 Canadian long fibre preparations with a minor

Ilgren

1

2 degree of amphibole contamination."

3 A. Yes, sir. I see that.

4 Q. Again, you are the author of that
5 line?

6 A. Yes, sir.

7 Q. Is there any data presented in this
8 paper that supports the statement that UICC/B and
9 Jeffrey fibre are long fiber preparations?

10 A. No, sir.

11 Q. And would you agree with me that
12 there are references cited in your paper, part 1,
13 that describe both as intermediate length fibers
14 and not as long fibers?

15 A. I think the descriptor's
16 intermediate. I don't -- I think it is called an
17 intermediate type. I don't think it is
18 intermediate length, though I would have to see
19 exactly where that is stated.

20 Q. Well, you are familiar with the
21 UICC/B Canadian chrysotile sample, that's a
22 standard sample?

23 A. Sure.

24 Q. And you are aware of the fact that
25 that's a sample prepared from eight different

1 Ilgren

2 types of chrysotile from eight different mines in
3 Canada?

4 A. Yes, sir.

5 Q. And with respect to the Quebec rating
6 system, you are aware that that sample is what is
7 known as intermediate length, it is not a long
8 chrysotile as defined by the Quebec rating system?

9 A. I am not that familiar with the
10 Quebec rating system. I am just looking for the
11 word "intermediate" in the paper.

12 Q. I can represent to you that it is not
13 in the paper which I found it in some of the
14 references. The paper describes both the Jeffrey
15 and UICC/B as long preparations, would you agree
16 with me on that?

17 A. Yes, Jeffrey is long.

18 Q. And your paper says that.

19 So would you agree with me that your
20 paper consistently calls the Coalinga short fibre
21 and it calls these two Canadian asbestos as long
22 fiber, correct?

23 A. Well, they are both, in quotes,
24 "long" in relation to the Coalinga -- I mean in a
25 microscopical sense the rating is a

Ilgren

1
2 microscopical -- my perception of it, the Canadian
3 grading system is a microscopic system used to
4 sort mining samples where we are talking more
5 about things that are determined microscopically
6 in percentages of fibers over 5 microns.

7 Q. Do any of the three papers you have
8 published here which describe the Coalinga fibre
9 as short and the Canadian fibre as long provide
10 any microscopical data so the reader can determine
11 if that fact is true?

12 A. Not in the first three parts.

13 Q. Again in this fourth paper that may
14 or may not be published, that data may be
15 presented?

16 A. Yes, sir.

17 Q. Again I am reading in the abstract.
18 It says, "This report," and I am paraphrasing
19 here, "concerns rats exposed to three," and I am
20 quoting, "types of chrysotile."

21 Do you see that?

22 A. You are reading from the abstract?

23 Q. Yes.

24 A. Yes.

25 Q. Now, would you agree with me that in

1 Ilgren

2 fact there is only one type of chrysotile.

3 Chrysotile is chrysotile. There are three types

4 of chrysotile. Are there --

5 MR. WILL: In what sense are you
6 speaking, mineral?

7 MR. BROWNSON: Mineralogically.

8 A. Mineralogically, I suppose that is
9 true. Some people say there is a Jeffrey type or
10 a Carey type or another type, but in the sense you
11 are talking, I imagine that's the case.

12 Q. Again I am reading from the abstract.
13 With respect to the Coalinga asbestos, you stated
14 it "...is comprised of fibers that are almost all
15 less than 5 microns in length."

16 Would you agree with me that there is
17 no data presented in any of these three papers
18 that supports the statement that almost all
19 Coalinga fibers are less than 5 microns in length?

20 A. Yes, sir.

21 Q. Now, let's again focus on part 1 of
22 your paper. As I understand, what you have done
23 here is you have taken some data generated back in
24 the 1978 to 1980 time period, some of which was
25 published by Dr. Kent Pinkerton in a Ph.D. thesis

1 Ilgren

2 and you have gone back -- and very recently you
3 have gone back and you have looked at that and you
4 have drawn some conclusions from it, is that fair
5 to say?

6 A. In small part.

7 Q. Well, is there any actual
8 experimentation that you did that forms the basis
9 of this paper? In other words, let me put it a
10 different way. Let's ask a different question.

11 This paper draws conclusions based
12 upon certain rat inhalation studies, would you
13 agree with me on that?

14 A. Yes, sir.

15 Q. And those rat inhalation studies were
16 conducted back in 1978 to 1980?

17 A. Yes, sir.

18 Q. And you personally had nothing to do
19 with conducting those rat inhalation studies,
20 isn't that correct?

21 A. That's correct, sir.

22 Q. And you didn't design those studies?

23 A. That's correct.

24 Q. And you didn't participate in any of
25 the experimental work that was done there?

1 Ilgren

2 A. That's correct.

3 Q. Who were the people who did that, as
4 you understand it?

5 A. Dr. Gene McConnell, Dr. Jack Moore,
6 Dr. Jim Crapo, Dr. Kent Pinkerton, Dr. Connie
7 Stone, Dr. Finas Cavendar, Dr. Bernie Atkins, Dr.
8 Arnold Brody, Dr. Dan McLaurin and others.

9 Q. Now, were any of these scientists who
10 actually did these rat studies invited to be
11 coauthors with you on your papers where you are
12 talking about the rat studies?

13 A. Yes, sir.

14 Q. And which were those?

15 A. Dr. Kent Pinkerton, and as I recall,
16 Dr. Gene McConnell.

17 Q. And why was it that neither Dr.
18 Pinkerton nor Dr. McConnell appears as a coauthor
19 on your paper, parts 1, 2 and 3?

20 A. They didn't want to coauthor it.

21 Q. Why is that, do you know?

22 A. I don't know.

23 Q. Did either of them express any doubt
24 to you as to whether this old data, which now is
25 18 to 20 years old, could actually be used to draw

Ilgren

1
2 the conclusions which you draw in these three
3 papers?

4 A. No.

5 Q. Were either of the two asked to read
6 and comment on these papers, parts 1, 2 or 3?

7 A. Yes.

8 Q. And did they do that?

9 A. Sorry, I answered a bit too quickly.
10 Just reask the question.

11 Q. O.K. Were either of these doctors,
12 Dr. McConnell or Dr. Pinkerton, asked to read and
13 comment on the papers, parts 1, 2 and 3, that you
14 have published?

15 A. On earlier drafts.

16 Q. And which of the two looked at
17 earlier drafts or did both?

18 A. Dr. Pinkerton.

19 Q. How many drafts did Dr. Pinkerton
20 look at, do you know?

21 A. I believe one.

22 Q. And do you know whether the draft
23 that Dr. Pinkerton looked at was for part 1, 2 or
24 3 of your paper?

25 A. I believe it would have incorporated

1 Ilgren

2 all three. I believe there were two drafts which
3 would have incorporated the first two. Sorry,
4 there were two drafts which would incorporate
5 information in all three papers as I recall.

6 Q. So it sounds like you had a draft
7 prepared and then later on you split it up into
8 three parts, would that be fair to say?

9 A. As I recall, that was the case.

10 Q. And you think there might have been
11 two drafts like that?

12 A. Yes, as I recall, yes.

13 Q. And is it your testimony then that
14 one of those two drafts was sent to Dr. Pinkerton
15 for his review?

16 A. Yes, sir.

17 Q. Do you know when this was done?

18 A. I can't recall exactly when I sent
19 them.

20 Q. And did he, as far as you know, did
21 he read it?

22 A. As far as I recall.

23 Q. And did he discuss with you any
24 comments or changes that he had?

25 A. Sure.

1 Ilgren

2 Q. Did he make any note of those or put
3 them in any sort of written form?

4 A. No.

5 Q. Do you recall what comments or
6 changes that Dr. Pinkerton had with respect to
7 that draft he read?

8 A. I don't recall.

9 Q. Now, I am looking at the introduction
10 of paper number 1, and in your second sentence,
11 you talk about the Stanton hypothesis?

12 A. Yes, sir.

13 Q. Do you believe the Stanton hypothesis
14 is correct when it says that short fibers are less
15 harmful than long fibers?

16 A. Yes, sir.

17 Q. And do you believe the experiments
18 that Stanton did upon which he bases that
19 hypothesis are valid experiments?

20 A. Could you qualify "valid"?

21 Q. Are they experiments that you
22 consider to be valid experiments?

23 A. What do you mean?

24 Q. Well, let me back up.

25 You say that you agree with the

1 Ilgren

2 conclusions of the Stanton hypothesis, correct?

3 A. Correct.

4 Q. Would you agree with me that the
5 Stanton hypothesis is based upon some animal
6 experiments that were done by Stanton?

7 A. Correct.

8 Q. And would you agree with me that
9 those experiments are valid experiments and
10 provided valid data on which you can reach your
11 conclusion that this hypothesis is correct?

12 A. Well, I think they are insightful.
13 Intrapleural inoculations of different types of
14 fiber, some of which is over and some of which is
15 under 8 microns in length have shown generally a
16 distinction between tumor production and no tumor
17 production.

18 Q. So you, of course, are aware that the
19 Stanton hypothesis, as you said, is based upon
20 injection studies where rats were injected with
21 different preparations of asbestos fibers and
22 other types of fibers in different lengths?

23 A. Yes, sir.

24 Q. And you have described those
25 experiments as insightful.

1 Ilgren

2 Would you agree with me that in fact
3 the Stanton hypothesis is based entirely upon
4 injecting rats with fibers. There are inhalation
5 studies there?

6 A. I am aware.

7 MR. BROWNSON: Can we take a very
8 short break?

9 (Recess taken)

10 BY MR. BROWNSON:

11 Q. Dr. Ilgren, your three papers are
12 broken into first parts -- I'm sorry, into three
13 parts, and the first part deals with fibrogenesis,
14 is that correct?

15 A. Yes, sir.

16 Q. And the second part deals with
17 tumors, tumourigenesis?

18 A. Yes, sir.

19 Q. And the third deals with
20 biopersistence?

21 A. Yes, sir.

22 Q. And again all three parts of the
23 paper dealing with fibrogenesis, tumourigenesis
24 and biopersistence are based upon these rat
25 studies that we have described that were done back

1 Ilgren

2 in '78 and '80, correct --

3 A. Yes.

4 Q. -- by Dr. Pinkerton and these other
5 doctors?

6 A. Yes, sir.

7 Q. And these studies were at that time
8 undertaken by a group called the NIEHS, correct?

9 A. And others.

10 Q. Well, the NIEHS is what?

11 A. The National Institute for
12 Environmental Health Sciences.

13 Q. This was a government organization,
14 correct?

15 A. Yes.

16 Q. Would you agree with me that back at
17 that time in the late 1970's, this government
18 organization, government laboratory, the NIEHS,
19 was setting up an animal inhalation study of
20 asbestos, correct?

21 A. I think so. It was more complicated
22 than that.

23 Q. But whatever the big picture was, I
24 guess one of the things they did was they were
25 setting up a study to dose rats with asbestos dust

1 Ilgren

2 in the air and have them inhale it and then study
3 them, is that correct?

4 A. My only quibble with this is the
5 National Toxicology Program in conjunction with
6 the Medical Research Council of the United
7 Kingdom, and I believe there was also some funding
8 from the EPA, were also involved in this whole
9 study so --

10 Q. Well, the Medical Research Council in
11 the United Kingdom was doing or had done at that
12 time rat inhalation studies with asbestos,
13 correct?

14 A. I believe it was contemporaneous with
15 this particular effort, the MRC, Medical Research
16 Council study.

17 Q. Well, in short, in that era in the
18 1970's, these two groups were kind of working
19 together, they were going to do two animal
20 inhalation studies with the rats and asbestos, one
21 over in England with this MRC group and then one
22 here with the American government group and see if
23 the results jibed. Would that be a fair summary
24 of what was going on?

25 A. More or less.

1 Ilgren

2 Q. In any event, the American group, if
3 we can use that term to kind of move this
4 deposition along -- can we use that term, the
5 American group?

6 A. Yes, sir.

7 Q. The American group was this alphabet
8 soup of government agencies. We had the National
9 Toxicology Program, the NIEH and the EPA gave some
10 funding, but this American government group got
11 rolling and they were going to do these inhalation
12 studies with rats and asbestos?

13 A. Yes, sir.

14 Q. And certain experiments were done,
15 and we will get into that in a moment, and
16 inhalation chambers were set up and these rats
17 were dosed with asbestos in these chambers, and
18 they were examined at different periods of time.
19 Would that be fair to say?

20 A. Yes, sir.

21 Q. And then the experiment ceased and
22 some of it was published and some of it wasn't,
23 some of the results, would that be fair to say?

24 A. Yes.

25 Q. And Dr. Kent Pinkerton, one of the

1 Ilgren

2 animal inhalation toxicologists working on this,
3 used some of this data for his Ph.D. thesis at
4 Duke University, correct?

5 A. Yes, sir.

6 Q. You cited that on a number of
7 occasions in your paper, right?

8 A. Yes, sir.

9 Q. And Dr. Pinkerton was awarded a Ph.D.
10 at Duke, correct?

11 A. Yes, sir.

12 Q. And now he has gone on and he has
13 moved to California where he is a scientist in the
14 University of California system, is that fair to
15 say?

16 A. Yes, sir.

17 Q. Now, you have cited at a number of
18 places in your paper Dr. Pinkerton's thesis which
19 I understand that you had obtained and read prior
20 to publishing your paper, correct?

21 A. Yes, sir.

22 Q. And I have obtained a copy here of
23 his thesis and I don't know if you have got a copy
24 there. We can look at my copy if we need to.

25 At page 23, he is describing the

1 Ilgren

2 Coalinga asbestos fiber and these two Canadian
3 asbestos fibers the Jeffrey fibre and the UICC/B.

4 Do you remember his description of
5 the three types of --

6 A. If you read it.

7 Q. O.K. Well, let me read it to you.

8 He says, "For both the Jeffrey and the UICC/B
9 chrysotiles, approximately 75 percent of the
10 combined fibers and fiber clusters were less than
11 5 microns in length while less than 52 percent of
12 the combined fibers and fiber clusters from the
13 Coalinga chrysotile were less than 5 microns in
14 length."

15 Do you recall that?

16 A. Why don't you show me the paper.

17 Q. I can show you the reference. It is
18 page 23 of his thesis, and I have helpfully
19 highlighted it in yellow.

20 A. What's the chapter heading?

21 Q. This is a double-sided thing so
22 let's --

23 A. This chapter forms the basis of
24 Pinkerton, et al. 1983, within which Pinkerton
25 generally concludes that there is no significant

1 Ilgren

2 difference in the percentage of fibers greater
3 than 5 microns long in an aerosol.

4 Sorry, I didn't mean to be unhelpful
5 in mixing it up.

6 Q. So you agree with me that Dr.
7 Pinkerton concluded in his Ph.D. thesis and later
8 published the fact that a significant fraction of
9 the Coalinga asbestos was greater than 5 microns
10 in length?

11 A. In air, yes.

12 Q. And of course it is fiber in air that
13 people and rats breathe, correct?

14 A. Yes, sir.

15 Q. And the data that we have just looked
16 at in his thesis was, as you noted, presented in
17 chapter 2 of his Ph.D. thesis?

18 A. Yes, sir.

19 Q. And as you have also noted and told
20 us, that was later published by Dr. Pinkerton and
21 other authors and assigned to the paper, correct?

22 A. Yes, sir.

23 Q. So when you said in the abstract of
24 part 1 of your paper that the asbestos from
25 Coalinga, California is comprised of fibers that

1 Ilgren

2 are almost all less than 5 microns in length, you
3 would agree with me that that statement is
4 contrary to what Dr. Pinkerton reports in his
5 thesis?

6 A. Dr. Pinkerton also discusses the
7 length found upon indirect examination and more or
8 less concludes the same on the basis of that mode
9 of preparation.

10 Q. Well, yes, he concludes again that
11 they are not almost all less than 5 microns in
12 length. About half of them are over 5 microns.

13 A. In air.

14 Q. Well, I read the quotation from Dr.
15 Pinkerton's thesis correctly, did I not?

16 A. Yes, sir.

17 Q. He concluded that when Coalinga
18 asbestos forms dust in the air, half the fibers,
19 more or less, are greater than 5 microns in
20 length?

21 A. Yes.

22 Q. Are you saying if you put those
23 fibers in water, their length differs?

24 A. Yes, sir.

25 Q. Why is that?

1 Ilgren

2 A. Because they are weakly bound.

3 Q. Is there some data that Dr. Pinkerton
4 has published which supports that conclusion?

5 A. Yes, sir.

6 Q. Where is that?

7 A. Discussion of the same paper.

8 Q. In the Ph.D. thesis?

9 A. It might be in the thesis, but it is
10 certainly in the paper.

11 Q. But at least in the air, the fibers
12 in the air, you will agree with me that Pinkerton
13 says at least half are greater than 5 microns in
14 length?

15 A. Yes, sir.

16 Q. Would you agree with me that despite
17 quoting from Dr. Pinkerton's papers and Dr.
18 Pinkerton's thesis in your own paper you do not
19 present that data anywhere in your paper, do you?

20 A. No, sir.

21 Q. And when you say in the abstract that
22 the Coalinga fibers comprise fibers that are
23 almost all less than 5 microns in length, you
24 don't make any distinction about whether it is in
25 air or in water, do you?

Ilgren

1

2 A. No, sir.

3 Q. And also I note in Dr. Pinkerton's
4 thesis, he says that there are a number of
5 Coalinga fibers that are greater than 100 microns
6 in length. Did you see that?

7 A. Yes, sir.

8 Q. You are aware of that fact, are you
9 not?

10 A. Yes, sir.

11 MR. WILL: What fact? I object to
12 the form of the question that Pinkerton
13 said or the fact that there are fibers
14 greater than 100 microns?

15 Q. That Dr. Pinkerton has reported that
16 a number of, certain fraction of the Coalinga
17 asbestos is greater than 100 microns in length?

18 A. Yes, sir, I seem to recall that.

19 Q. And again that's not set forth in
20 your paper, is it?

21 A. Not here.

22 Q. Now, again on part 1 of your paper,
23 you have a section entitled "Materials and
24 Methods." Do you see that? Page 265?

25 A. Yes, sir.

1 Ilgren

2 Q. And you write, "The present study
3 originated as part of a large joint investigation
4 undertaken by the NIEHS in the United States and
5 the Medical Research Council Pneumoconiosis Unit
6 in the United Kingdom to compare the results of
7 similar inhalation studies carried out at two
8 different locations under nearly identical
9 conditions." Is that right?

10 A. Yes, sir.

11 Q. That's what we talked about a minute
12 ago in the late '70s, there were these two big rat
13 inhalation studies?

14 A. 1975.

15 Q. When you speak of the present study,
16 are you talking about your paper here?

17 A. Yes, sir.

18 Q. Would you agree with me that your
19 work here was not part of the NIEHS study or this
20 Medical Research Council study in England, this
21 was some later analysis you have done yourself?

22 A. In that sense it is correct.

23 Q. And to the extent that first sentence
24 of the materials and methods may imply to the
25 reader that you are somehow affiliated with the

1 Ilgren

2 NIEHS studies or the MRC studies, that would not
3 be correct, would it?

4 A. As having been involved in the
5 design, for example, or the execution of the
6 study, that would be correct.

7 Q. And it is also correct that as far as
8 doing anything, you are not affiliated with those
9 two organizations?

10 A. I have no affiliation with either the
11 NIEHS or the MRC.

12 Q. And neither of those groups has said
13 to you: Dr. Ilgren, we did these studies back in
14 the late 1970's. Would you now go back and do
15 some further work in connection with that, did
16 they?

17 A. Kent Pinkerton asked me to help him
18 write these data up.

19 Q. Well, then why didn't he appear as an
20 author of this if he asked you to do it?

21 A. I don't know. He didn't want to.

22 Q. But Kent Pinkerton is no longer
23 affiliated with either of those organizations, he
24 is out in California, isn't he?

25 A. I don't know what his affiliation is

1 Ilgren

2 with the NIEHS.

3 Q. Neither the NIEHS nor the Medical
4 Research Council in England has asked you, Dr.
5 Ilgren, to do any study or work or analysis of
6 these old rat studies, have they?

7 A. That's correct.

8 Q. And again in the "Materials and
9 Methods," if you go down later in the first
10 paragraph, you talk about what you call the "long"
11 Jeffrey fibre and the "short" Coalinga fibre,
12 correct?

13 A. Yes, sir.

14 Q. And you present no data in this paper
15 showing that the Jeffrey fiber is long and
16 Coalinga fibre is short, do you?

17 A. That's correct.

18 Q. If we didn't go back to Dr.
19 Pinkerton's thesis, which you cite in your paper,
20 the quote which you just read, Dr. Pinkerton's
21 dose, there is more long fiber in the Coalinga
22 than there is in the Jeffrey, isn't that correct?

23 A. With the qualifications that we just
24 discussed.

25 Q. Those qualifications are measurements

1 Ilgren

2 in air, right? As opposed to measurements in
3 water?

4 A. They refer to qualifications in air
5 and water, that's correct.

6 Q. And again going back to these rat
7 studies that form the basis of your papers here,
8 rats are breathing asbestos, they are not drinking
9 it, correct?

10 A. That's correct.

11 Q. Then you continue, under "Materials
12 and Methods," now I am in the second paragraph,
13 and I am down on the second sentence of that
14 paragraph, you write, "None of the Coalinga and
15 UICC/B morphometry data have been published in
16 scientific, peer-reviewed journals though some
17 have appeared in a thesis published by Pinkerton,"
18 correct?

19 A. Correct.

20 Q. I have read that correctly?

21 A. Yes.

22 Q. And the thesis published by Pinkerton
23 was the one we just looked at, his Ph.D. thesis
24 published in 1982?

25 A. Yes.

1 Ilgren

2 Q. Then you say, "And in several small
3 abstracts." Then you list a bunch of abstracts,
4 correct?

5 A. Correct.

6 Q. Now, you cite those abstracts in your
7 paper, and I just wanted to look at a couple of
8 them. One of them you cite is an abstract in the
9 American Review of Respiratory Disease, correct?

10 A. Yes, sir.

11 Q. And you cite that at note 33 of your
12 first paper, so I want to turn to note 33?

13 A. Note is the same as reference?

14 Q. Reference No. 33, correct?

15 A. O.K. thank you.

16 Q. I'm sorry, let me look.

17 Reference 33 in your paper is the
18 Pinkerton thesis?

19 A. Yes, sir.

20 Q. And then you write, "And in several
21 small abstracts by Crapo et al." reference 34.

22 Do you see that?

23 A. Yes, sir.

24 Q. "Pinkerton et al.," references 35 and
25 36?

Ilgren

1

2 A. Yes, sir.

3 Q. "And O'Neil et al.," reference 37?

4 A. Yes, sir.

5 Q. So if we now, to pick some of those,
6 went, for example, to the Pinkerton one you cite
7 at reference 35, this is the one in American
8 Review of Respiratory Disease, correct?

9 A. Yes, sir.

10 Q. In 1981, in volume 123 part -- or
11 number 4, part 2, right?

12 A. If you say so, but as I recall,
13 that's the part.

14 Q. I am just reading from your reference
15 35.

16 A. I don't have a part. I just have
17 volume 123, page 21.

18 Q. Now, would you agree with me that the
19 conclusion that you draw and report in part 1 of
20 your paper is that Coalinga asbestos is not
21 fibrogenetic?

22 A. Fibrogenic.

23 Q. Fibrogenic, right.

24 Does not cause fibrosis in rats?

25 A. Yes, sir.

1 Ilgren

2 Q. Would you agree with me if you look
3 in this abstract of the American Review of
4 Respiratory Disease published by Dr. Pinkerton
5 Pratt, Brody and Crapo, they do not say that, do
6 they?

7 A. May I see your abstract, sir?

8 Q. First of all, have you read this
9 before?

10 A. I believe so, yes. Thank you.

11 Q. My question will be can you show me
12 where they say that Coalinga asbestos does not
13 cause fibrosis in rats?

14 MR. WILL: That isn't what the
15 article is cited for. To that extent, I
16 would answer to your question, is that what
17 you are asking him or a different question?

18 A. Your question to me is where in this
19 article do they say Coalinga does not cause
20 fibrosis?

21 Q. Right.

22 A. It's not stated in this.

23 Q. And in fact, that's not stated in Dr.
24 Pinkerton's thesis either, is it? He doesn't come
25 out and say Coalinga does not cause fibrosis in

1 Ilgren

2 the rats?

3 A. No, sir.

4 Q. In fact, if we look at this article
5 in the American Review of Respiratory Disease that
6 you cite at reference 35 by Dr. Pinkerton and
7 these other doctors, Pratt, Brody and Crapo, they
8 say after three months exposure the rats, if they
9 are exposed, that after three months' exposure,
10 all of the fibers including the Coalinga or the
11 Coalinga cause injury to the epithelium and the
12 interstitia, isn't that what they report?

13 A. Yes, sir.

14 MR. GERSON: We need to take a
15 five-minute break.

16 (Recess taken)

17 BY MR. BROWNSON:

18 Q. Dr. Ilgren, another reference that
19 you cite of another article published about this
20 rat study which forms the basis of your paper is
21 another article in the American Review of
22 Respiratory Disease which is your reference 36,
23 right?

24 A. Yes.

25 Q. And that's again by Dr. Pinkerton and

1 Ilgren

2 Dr. Pratt and Dr. Crapo of Duke University, right?

3 A. Yes, sir.

4 Q. And you reference that as a paper in
5 1981, although I notice it was actually published
6 in 1980. Is that just a typographical error in
7 your reference?

8 A. Probably.

9 Q. We are talking about the same paper
10 here, aren't we?

11 A. Let me just double-check 36 against
12 your -- yes, sir, it is probably a typographical
13 error.

14 Q. And in that paper which is reference
15 36 of your part 1, these authors again say that
16 after three months exposure, the patterns of
17 injury in the rat lungs were similar in both of
18 the treatment groups, and here they are talking
19 about the Coalinga and the UICC/B, correct?

20 A. If I could just see that quickly.
21 Thank you.

22 The NIEHS intermediate is actually
23 the Jeffrey fibre, but that's what it says.

24 Q. So what we are looking at here --
25 thank you for that clarification -- is the

1 Ilgren

2 Coalinga and the Jeffrey fibre?

3 A. Yes, sir.

4 Q. Now, I note again what is described,
5 what you have told us now is the Jeffrey fibre, is
6 again described as intermediate range chrysotile
7 fibers?

8 A. They refer to Jeffrey fibre
9 repeatedly as the intermediate fiber, and I find
10 this very confusing myself, where the intermediate
11 size preparation is the UICC/B, so there is some
12 crossing over of terminology.

13 Q. Right.

14 A. But the NIEHS intermediate is the
15 Jeffrey fibre.

16 Q. You would agree with me that the
17 NIEHS does not classify the Jeffrey as long fiber,
18 they call it intermediate?

19 A. I don't think it is referenced to
20 length, but again I don't know why they call it
21 intermediate.

22 Q. Then I am looking at your note 37 in
23 your paper which is another published abstract by
24 these researchers of the rat study upon which you
25 base your paper, and this one again was published

1 Ilgren

2 in the American Review of Respiratory Disease in
3 1981, correct?

4 A. Yes, sir.

5 Q. And this again is by Drs. O'Neil, Dr.
6 Ken Pinkerton and Dr. J.D. Crapo, and it is
7 entitled "Morphologic" -- I'm sorry it is
8 entitled, "Lung Volume Changes in Rats Exposed to
9 Chrysotile Asbestos," correct?

10 A. Yes, sir.

11 Q. And I am looking now in that paper
12 and I am reading just below the middle table they
13 write, "Interstitial fibrosis was seen
14 histologically in all exposed animals at one year
15 and increased in severity during the year in air,"
16 correct?

17 A. If that's what it says.

18 MR. WILL: Is that what it says?

19 MR. BROWNSON: Again I highlighted
20 that in the yellow.

21 A. That's what it says.

22 Q. So if I can summarize here, the
23 original or a group of the original scientists who
24 exposed these rats back in this inhalation study
25 in 1978 to 1980, have published and we have now

1 Ilgren

2 looked at a Ph.D. thesis and three abstracts, all
3 four of which you have cited as references in your
4 paper, part 1, correct?

5 A. Yes, sir.

6 Q. And would you agree with me that as
7 we have just seen in all three abstracts, they say
8 that all of the three types of asbestos including
9 the Coalinga fibre cause changes in the lungs of
10 the rats?

11 A. Yes, sir.

12 Q. Now, I am continuing in your paper,
13 part 1 in "Materials and Methods," I am now down
14 to the third paragraph?

15 A. Yes, sir.

16 Q. And you report or you write, "The
17 records, histology slides, paraffin blocks and wet
18 tissues of the animals on lifetime test were
19 located in November 1995 at the NTP archives by
20 Drs. Sils, Hamlin and Bridges as inventoried
21 documents." Right?

22 A. Yes, sir.

23 Q. Then you continue, "All of these were
24 reviewed solely by the senior author" -- that's
25 you, right?

1 Ilgren

2 A. Yes, sir.

3 Q. -- "Aside from 45 cases that were
4 studied in conjunction with Dr. J.C. Wagner,"
5 correct?

6 A. Yes, sir.

7 Q. Now, I want to ask you about that.

8 A. Yes, sir.

9 Q. I will try to move this along here,
10 but in a nutshell, are you telling us there or
11 writing there that what you did is you managed to
12 find some of these old materials from the rat
13 study in an archive, at the NTP archive, and you
14 managed to find them and locate them and then you
15 looked at some of them, would that be fair to say?

16 A. Yes, sir.

17 Q. You did this beginning in November of
18 1995, that's when you found them and then
19 thereafter you looked at them?

20 A. Yes, sir.

21 Q. And then you published this series of
22 three papers based upon your review of that
23 material?

24 A. Yes, sir.

25 Q. Now, what I am not clear about is you

1 Ilgren

2 talk about 45 cases that were studied in
3 conjunction with Dr. J.C. Wagner. When you found
4 these in November of 1995, at that point did you
5 give half of them to Dr. Wagner or was this some
6 work he had done like before that or how did that
7 work?

8 A. I asked Dr. Wagner if he would come
9 to the United States in April 1996 to review some
10 of these materials with me.

11 Q. So after you located them in November
12 of 1995, you then asked Dr. Wagner -- is it Wagner
13 or Dr. Vagner -- how do we pronounce that?

14 A. I don't know.

15 Q. It is the same on the paper anyway.

16 You asked Dr. Wagner to come over to
17 the United States and look at 45 of these rat
18 slides?

19 A. A subset of the slides.

20 MR. WILL: It is Chris.

21 Q. And did he do that?

22 A. Yes, sir.

23 Q. And he did that, he came over in the
24 spring of 1996?

25 A. Yes, sir.

1 Ilgren

2 Q. And did you and he then sit down and
3 look at some of these materials?

4 A. Yes, sir.

5 Q. Where was that done?

6 A. At the NTP.

7 Q. That's down in North Carolina?

8 A. Yes, sir.

9 Q. At their archives there?

10 A. Yes, sir.

11 Q. Did you find these materials to be
12 well organized and in good order, or did it take
13 some real digging and work to get them?

14 A. That's two questions.

15 Q. O.K. Well, it is two questions,
16 right.

17 Were they easy to find?

18 A. No.

19 Q. They were hard to find?

20 A. Yes.

21 Q. But once you found them, did they
22 appear to be complete and in good order?

23 A. Yes.

24 Q. And you and Dr. Wagner then sat there
25 at the archive and then looked at them, correct?

1 Ilgren

2 A. Yes.

3 Q. What was it exactly that Dr. Wagner
4 did with these 45 things? I mean strike that.
5 Let's back up.

6 What were these 45 things that he
7 looked at, were they slides?

8 A. They were the slides representative
9 of 45 animals.

10 Q. And is there any reference in your
11 paper here in any of the three parts of the paper
12 as to which 45 rats were examined by Dr. Wagner?

13 A. No.

14 Q. And we are going -- in a minute I
15 want to go through some of your tables and data
16 that you present where you talk about all these
17 different rats. But when I read these papers, I
18 couldn't tell, you know, which of those had --
19 were those examined by Dr. Wagner and which of
20 those were examined just by you, and would I be
21 correct in saying there is nothing here that
22 specifically will tell us that?

23 A. Not in these papers, no.

24 Q. Was there any laboratory equipment
25 made available to you and Dr. Wagner at the

1 Ilgren

2 archives to do this or did you just look at them
3 by eyes or how did you look at that?

4 A. They gave us a double-headed
5 microscope. They said if we wanted to look at any
6 of the tissues, we could do so under a covered
7 hood.

8 Q. So you could look at them through the
9 microscope, but you had to use the hood, is that
10 right?

11 A. No. We had an ordinary light
12 microscope, and the slides were made available and
13 so we just went through, say, for each animal just
14 for an example there might have been 30 or 40
15 histology slides, so we would go through those and
16 if we had any questions about wet tissues or if we
17 had any questions about, say, paraffin blocks,
18 they would find for us, but we didn't have to do
19 that. We just looked at the histology slides.

20 Q. Through the microscope?

21 A. Yes, sir.

22 Q. How long did that take?

23 A. About -- as I recall 8-1/2, 9 hours.

24 Q. And on that trip in the spring of
25 1996, where did Dr. Wagner come from, England?

1 Ilgren

2 A. He came from England.

3 Q. At your request?

4 A. At my request.

5 Q. Was that specifically to come and
6 look at these slides that you have found in the
7 archive?

8 A. Yes.

9 Q. And again just so we are clear, these
10 are slides of these rats that had been exposed to
11 the three types of airborne asbestos, some 18
12 years before?

13 A. Yes, sir.

14 Q. And on that particular trip, did Dr.
15 Wagner look at anything else or do anything else
16 in connection with the work which resulted in
17 these three papers?

18 A. We spent several days going over the
19 various data sheets and information that I had
20 received from the archives just talking about
21 study overall, talking about how in his opinion it
22 originated and who was involved.

23 Q. And was Dr. Wagner ever asked to be a
24 coauthor on any of your three papers?

25 A. Yes.

Ilgren

1

2 Q. But I see he is not. Why didn't he
3 do that?

4 A. He didn't want to.

5 Q. Do you know why?

6 A. No.

7 Q. Who paid for Dr. Wagner to come
8 over -- fly over from England and spend several
9 days in North Carolina looking at this stuff?

10 A. I did.

11 Q. Did you pay that out of your own
12 pocket or did you get reimbursed by anybody for
13 that?

14 A. Out of my own pocket.

15 Q. So you didn't submit any of those
16 bills or travel expenses or vouchers or anything
17 to Union Carbide or their lawyers or anybody?

18 A. No, sir.

19 Q. Now, before we go on to ask you about
20 the rats, let me back you up to page 265, part 1
21 of your paper.

22 A. Yes, sir.

23 Q. And on the second full paragraph on
24 that page, you say, "Aside from the study by Davis
25 and Jones, the most recent short fibre chrysotile

1 Ilgren

2 inhalation investigation was conducted at NIOSH,"
3 which is all capital letters, "by Platek et al."

4 Do you see that?

5 A. Yes, sir.

6 Q. Now, in reviewing the literature and
7 even references that you cite, I note that Dr.
8 Muhler -- Muhle in Germany had a study published
9 in 1987, which was an animal inhalation study,
10 chrysotile. Are you aware of that?

11 A. Yes, sir.

12 Q. And isn't it correct, then, that the
13 most recent study was really Muhle's study and not
14 this one by Platek in '85?

15 A. As published, my explanation is that
16 the NIOSH work as cited in this paper, it has been
17 continuing. Platek published a rodent phase, but
18 also a sort of monkey phase that is being
19 completed now. So I suppose I was thinking that
20 that work is still actually ongoing, the Platek
21 work, but as cited, you are right, you are
22 correct.

23 Q. And again in that same paragraph, the
24 next sentence said, "These workers exposed rats
25 and monkeys via inhalation to a highly purified

1 Ilgren

2 short fibre chrysotile sample," right?

3 A. Yes, sir.

4 Q. When you say "highly purified," what
5 do you mean by that?

6 A. I suppose a sample that was very
7 short, highly ground down to a short length.

8 Q. So in other words, it had been
9 milled?

10 A. Yes, sir.

11 Q. Because in fact if you look at
12 Platek's paper, you will see they used a mill,
13 they used a ball mill?

14 A. Yes.

15 Q. They didn't purify it. They just
16 milled it in a -- ground it up?

17 A. Yes, sir.

18 Q. So to the extent your term "highly
19 purified" may imply that it was pure chrysotil
20 with no impurities or no contaminants, that's not
21 correct?

22 A. That's correct.

23 Q. Now, let's continue then in your
24 paper in the "Materials and Methods." Now I am on
25 page 266, and you have the heading "Animals."

1 Ilgren

2 Do you see that?

3 A. Yes, sir.

4 Q. Here is where I did not find your
5 paper to be clear. I cannot figure out how many
6 animals are involved here, and I would like to run
7 through with you and take a little time to try to
8 figure that out, O.K.?

9 A. Yes, sir.

10 Q. You begin by saying "Four hundred
11 5-week old specific pathogen-free (SPF) male and
12 female Fischer 344 rats" were involved in this
13 experiment, correct?

14 A. Yes, sir.

15 Q. And again, just so we are clear here,
16 you did not select these rats or have anything to
17 do with the rats or raise them or do any of these
18 experiments. You are talking about this old
19 experiment that Pinkerton published his thesis on?

20 A. Yes, sir.

21 Q. Then you continue by saying three
22 hundred and thirty of these form the basis of this
23 study."

24 And by that, do you mean your paper?

25 A. Yes, sir.

1 Ilgren

2 Q. Now, my question is how did we go
3 from 400 rats to 330? Was it that when you went
4 to the archive you could find material on 330 of
5 the rats or did you find all 400, or how did that
6 come about?

7 A. There was an additional group to
8 which animals were exposed to a JM 100 microglass
9 fiber preparation. And that group is not
10 discussed in my paper. But it would be amongst
11 the 400 animals. Is that clear?

12 Q. I believe so.

13 You are saying back in this study of
14 the rats, some of the 400 rats were exposed to a
15 Johns Manville asbestos?

16 A. No, a JM 100 microglass, a glass
17 fiber.

18 Q. A glass fiber?

19 A. Right. There was untreated control,
20 Coalinga, UICC/B, Jeffrey and JM 100 fiberglass in
21 the original study. So the discrepancy between
22 400 and 330 pertains to the absence of the JM 100
23 group.

24 Q. Now, let me just start then with the
25 controls.

1 Ilgren

2 Why was it that you did not examine
3 or look at the JM glass fiber treated rats?

4 A. I just didn't have time.

5 Q. So you did not look at them, and they
6 did not form a part of this paper and you still
7 haven't looked at them, would that be fair to say,
8 you set those aside?

9 A. Yes.

10 Q. Do you remember how many of those
11 there were?

12 A. I believe there are 70 or 80 cases.
13 Split male/female, half and half, more or less.

14 Q. Well, you write in your paper 330 of
15 the rats formed the basis of your work, so it must
16 mean there were 70 of them, would that be fair to
17 say?

18 A. About 70.

19 Q. So that left 330 then that were
20 exposed -- that were either control rats or were
21 exposed to the three types of asbestos, Coalinga
22 UICC/B and Jeffrey?

23 A. Yes.

24 Q. Now, let me continue under "Animals"
25 because I need some -- I need to be careful here.

1 Ilgren

2 The next sentence you write, "Upon
3 receipt at the NIEHS, 5 animals of each sex in
4 each exposure group of the 360 rats were randomly
5 selected and killed for morphological study."

6 Do you see that?

7 A. Yes, sir.

8 Q. Now, my first question is you say of
9 the 360. See, now earlier you said there were
10 400, but now we are down to 360, and I am
11 wondering what the difference is there?

12 A. That 360 may need to be 330. So
13 there may be an error.

14 Q. So is that the same 330 as the 330
15 that you looked at, is that what we are talking
16 about?

17 A. Yes, yes.

18 Q. So that is simply an error. What
19 that should read is 330 rats were randomly
20 selected or five of each sex in each exposure
21 group out of 330 were randomly selected, right?

22 A. I believe that's true.

23 Q. So --

24 A. If you look for clarification in the
25 legend at table 1 and you will see in the second

Ilgren

1
2 line at "Time zero," five animals were sacrificed
3 for morphological analysis. As the animals
4 arrived, five were taken out of each group and
5 removed just for baseline studies, and when I say
6 upon receipt at the NIEHS, five animals of each
7 were removed, that's what it refers to, is as the
8 animals came in. They may not have been of each
9 sex.

10 Q. That was my next question.

11 You report in the text under
12 "Animals" that upon receipt back at the NIEHS
13 study in the 1970's five animals of each sex in
14 each exposure group were selected and killed for
15 morphological study, and then as you note up above
16 at note 1 of your table 1, you say five animals
17 were sacrificed.

18 So my question is this: Was it five
19 that were killed at the outset from each group or
20 was it ten?

21 A. I think the number is -- numbers work
22 out in the following way: I know I have just told
23 you that the 360 should be 330, but it may be the
24 other way around. If upon receipt, 360 animals
25 arrived and at time zero 40 animals were taken out

1 Ilgren

2 to leave 320 animals divided four ways, one for
3 untreated controls, one for Coalinga, one for
4 UICC/B and one for Jeffrey, 10 of each were
5 removed, meaning 4 times 10 are 40. This leaves
6 one with 320 animals remaining, all in test or 40
7 per -- or 40 in each group after the five were
8 removed.

9 Q. Now, do you know that that's what
10 occurred or are you just surmising that by looking
11 at this?

12 A. That's -- that's what I know to have
13 occurred in the sense that 40 animals were on test
14 at the time zero, and from those four animals were
15 removed at each of the subsequent time points
16 being 3, 12 and 24 months, so in table 1, if you
17 see at time zero, there is 45, to take off five,
18 that leaves 40. At three months there is 36 from
19 which to take off 4, giving 32; and then at
20 time -- at point 12 months, they take off four to
21 give 28.

22 Q. Before we get to the actual
23 inhalation and how they killed them at various
24 lengths of time, I want to go back and start with
25 what they started with because I am still not

1 Ilgren

2 clear.

3 Are you saying that at this initial
4 killing or sacrifice, when they started, they took
5 a total of 10 rats -- well, strike that.

6 I will ask you the question. 400
7 rats arrive at the NIEHS sometime in the late
8 1970's?

9 A. Yes, sir.

10 Q. Then you describe that a bunch were
11 killed right up front for morphological testing?

12 A. It would appear 40 were taken out for
13 JM 100, and then a set were taken out for
14 morphological baseline testing.

15 Q. So we start with 400 and some were
16 then to be used with the JM 100 fiberglass?

17 A. Yes, sir.

18 Q. You are saying you think that might
19 have been 40 but earlier you had said 70?

20 A. No, I was mistaken.

21 Q. O.K. So we start with 400. 40 then
22 were to be exposed to the JM fiberglass?

23 A. Yes, sir.

24 Q. That leaves us with 360, and now you
25 are telling us of those 360, then 40 were killed

1 Ilgren

2 right up front?

3 A. Yes, sir.

4 Q. And that would be 10 of each sex?

5 A. Five of each sex.

6 Q. Five of each sex?

7 A. In four groups. So that's 10 per
8 so-called group, a group being either a treatment
9 group or the untreated control.

10 Q. And at this point, they haven't been
11 treated with any asbestos, yet they have just been
12 divided into these groups?

13 A. Yes, sir.

14 Q. And they killed some to get some kind
15 of a baseline before they start the experiment, is
16 that fair to say?

17 A. Yes, sir.

18 Q. So they kill 40 and that leaves us
19 with 320 rats, right?

20 A. Yes, sir.

21 Q. And those 320 rats then, as I read
22 your paper, were then put into four groups,
23 untreated controls, Coalinga exposed, UICC/B
24 exposed and Jeffrey exposed?

25 A. Yes, sir.

Ilgren

1

2 Q. 320 divided by 4 is 80, so did they
3 put 80 in each?

4 A. Yes, sir. 40 male and 40 female.

5 Q. Now, let me move back up earlier in
6 the paragraph and again focus on your statement
7 that 330 formed the basis of your study. In other
8 words, you looked at 330 of them.

9 My question is: If we start with the
10 400, we pull out the 40 JM 100 exposed animals,
11 then we have the 40 that were killed up front,
12 leaving 320. And then of the 320, they were
13 divided into four groups of 80.

14 Where does the 330 that you looked at
15 factor into that equation?

16 A. I think the 330 then would be 320.

17 Q. So when you said 330 in your paper,
18 you meant 320?

19 A. I believe so, yes.

20 Q. Now, when you say you believe so, do
21 you know that or are you just again surmising or
22 what?

23 A. Well, to the best of my analytical
24 ability discussing it with you and from
25 recollection of what's written in the relevant

Ilgren

1
2 papers where these data appear such as Pinkerton's
3 thesis, Pinkerton et al. 82, the Becton-Dickinson
4 documents and other documents, it is what I would
5 conclude.

6 Q. So can we then state that in your
7 paper when you say you looked at 330, that's an
8 error, you really looked at 320?

9 A. I believe so, sir, yes.

10 Q. Now, we are down to the 320 rats that
11 formed a part of the experiment, and we have got
12 them divided into four groups of 80, 40 males and
13 40 females?

14 A. Yes, sir.

15 Q. Then as I understand this experiment
16 that was done again at the NIEHS group back in the
17 70's those animals were then exposed to asbestos,
18 the controls weren't 80 were not -- 240 were
19 exposed?

20 A. Yes, sir.

21 Q. Now, of the 80 controls, I saw
22 reference in your paper to the term "sham
23 control," and I was a little confused by that.

24 As you understood the experiment,
25 were the 80 control rats just exposed to nothing

1 Ilgren

2 or were some somehow hooked onto the apparatus or
3 how did that work?

4 A. I think they were totally untreated
5 animals. There was no so-called shamming or
6 put-on apparatus. This is as far as I understand
7 the study.

8 Q. So 80 were put in cages --

9 A. And whole bodily set aside, untreated
10 controls.

11 Q. So that then left 240 rats exposed to
12 asbestos, is that right?

13 A. Yes, sir.

14 Q. And again we went over this a moment
15 ago, but of the 240, 80 were exposed to UICC/B
16 chrysotile, 80 exposed to Jeffrey chrysotile, and
17 80 exposed to Coalinga chrysotile?

18 A. Yes, sir.

19 Q. 40 of each sex exposed to each of the
20 three types?

21 A. Yes, sir.

22 Q. And then based upon this information
23 that you found in the archive and what you
24 reviewed, et cetera, you saw that at different
25 intervals of 3, 12 and 24 months some were killed

1 Ilgren

2 out of each group, and you report that in your
3 table 1?

4 A. Yes, sir.

5 Q. Now, is table 1 a table that you
6 prepared and designed or did you take that from
7 some of the earlier published work?

8 A. No, I designed that table.

9 Q. So table 1 -- well, let me do it.
10 This will be faster.

11 Why don't you describe for us what
12 table 1 shows. Run through that and tell us what
13 that is.

14 A. Table 1 indicates the so-called
15 design of the study with reference to the number
16 of animals found at each time point, so at time
17 zero with 45 animals of each sex in each group,
18 that makes 90 per group or 4 times 90 is 360,
19 hence the 360.

20 At time zero, looking just at one sex
21 in any one group, five animals are removed for the
22 baseline sacrifice to be studied morphologically
23 leaving 40 animals to go on to this lifetime test.

24 Q. Right.

25 A. So that at time zero, four additional

1 Ilgren

2 animals are taken off to be studied using the
3 electron microscope for morphometrical analysis,
4 and then the same is done at 3, 12 and 24 months,
5 so that absent the animals taken off for this
6 baseline morphological study, leaving 40, as you
7 take off 4 at time zero, you end up at three
8 months with 36.

9 And then again if you take 4 more out
10 of that, for morphometrical study, you end up with
11 32 by 12 months.

12 And again if you take 4 more at that
13 point, you end up in theory of 24 months with 28
14 animals of each sex on a lifetime test.

15 Q. O.K. Now, did your review of these
16 materials in the archive indicate that that is
17 actually what occurred?

18 A. I did find -- when I actually looked
19 at the data at the archive, one or two so-called
20 extra animals, so that instead of at the 24-month
21 time point, there being, say, just 28 months on
22 test in one or two cases there was 29 or 30, and I
23 couldn't work that out. I don't know where the
24 extra animal came from. But by and large that --
25 this is -- this conforms to what I found in the

1 Ilgren

2 vast majority of the cases.

3 Q. Now, did you find something in the
4 study design or study protocol where they said
5 here's what we are going to do. We are going to
6 kill four of each sex at zero time and 3 months,
7 at 12 and 24 out of each of the groups and -- stop
8 there?

9 A. Yes, sir.

10 Q. That was the intent of the original
11 study?

12 A. Yes, sir.

13 Q. Then what you are saying is when you
14 went back to the archive and looked at it, you
15 determined that that in fact is what they did, but
16 as you have noted, you found that they also had
17 one or two extra animals?

18 A. In one or two instances.

19 Q. And were these one or two extra
20 animals that you found in one or two instances
21 killed animals at one of these time periods or
22 were these animals that remained alive?

23 A. They appeared to have remained alive.

24 Q. You report, now continuing under
25 "Animals," a total of 96 rats out of the 320 were

1 Ilgren

2 used for morphometric study.

3 Is that what you mean there, these
4 are the ones that were killed along the way at 3,
5 12, and 24?

6 A. Yes, sir.

7 Q. So again backing up, I am trying to
8 be as clear as I can here, the study started with
9 240, four groups of zero control and the three
10 asbestos groups. 96 were killed along the way, so
11 we should really, to determine how many are left,
12 subtract 96 from 240, and we get 144. And then
13 what you are saying is you noted that there were
14 one or two extra ones here or there, am I right on
15 that?

16 A. There would be -- well, the 96
17 doesn't appear to take into account the
18 morphometrical analysis of the time zero animals.
19 It would just appear to be 3, 12 and 24 months.

20 So just for example, you have at any
21 one time point four animals of each sex of any
22 group untreated or treated removed. So that would
23 be 32 animals for -- at any one time point in all.
24 Do you understand?

25 Q. Right. So should there really be 108

1 Ilgren

2 that were killed in the original experiment and
3 not 96?

4 A. Right, I believe it is 120 --

5 MR. WILL: Wait, can we just go off
6 the record for a second.

7 MR. BROWNSON: Sure.

8 (Discussion off the record):

9 MR. BROWNSON: Let's go back on the
10 record, and Dr. Ilgren will continue the
11 answer.

12 MR. GERSON: Why don't you repeat
13 the last question.

14 BY MR. BROWNSON:

15 Q. Let's start at this present position.

16 I think we were clear, Dr. Ilgren,
17 until we ran into this number of 96 and now you
18 are now explaining to us that that number really
19 is something different and what it is and can you
20 describe that for us?

21 A. Yes, I could.

22 Q. O.K.

23 A. The 96 rats pertain to the number of
24 animals that were analyzed morphometrically at 3,
25 12 and 24 months. It does not pertain to the

1 Ilgren

2 morphometrical analysis of the animals studied at
3 time zero, so there should be an additional 32
4 animals added to the 96 to give a total of 128
5 rats.

6 So the sentence that reads, "A total
7 of 96 rats out of 320 were used for morphometric
8 study" should actually continue as at 3, 12 and 24
9 months. In fact, a total of 128 rats in all out
10 of 320 were used for the entire morphometric
11 study.

12 Q. So there should be an additional
13 sentence in here that says a total of 128 rats
14 were used for morphometrical study?

15 A. Yes, sir.

16 Q. So can we then take the 240 rats
17 ready to be studied and subtract 128 that were
18 killed along the way beginning at time zero to get
19 our remaining live rats?

20 MR. WILL: 240?

21 MR. BROWNSON: 240.

22 A. It should be 128 out of 320, I
23 believe. Is that what you asked me? 128 out of
24 320?

25 Q. No, that's not what I asked you.

1 Ilgren

2 MR. WILL: Because 240 is not the
3 right number.

4 Q. Let me do this.

5 Again I don't want to rehash what we
6 already did but after the -- when we took -- when
7 they took the 400 rats that came into the
8 laboratory and then after taking out the JM 100
9 rats which was 40, we ended up with 360 and then
10 they killed off five of each sex out of four
11 groups, 40 more?

12 A. Right.

13 Q. And that brought us down to 320 rats,
14 right?

15 A. Right.

16 Q. O.K. Now I see where my confusion
17 is, O.K.

18 So we have 320 rats ready to begin
19 the experiment. 80 were controls and 240 were to
20 be controlled to asbestos, is that fair? Is that
21 correct I mean?

22 A. Yes.

23 Q. Total of 320. And then what you are
24 saying is 128 were killed along the way at zero
25 months, 3 months, 12 months and 24 months?

1 Ilgren

2 A. For morphometric study, yes.

3 Q. So what we need to do to determine
4 how many rats remained alive is subtract 128 from
5 320?

6 A. I believe so, yes.

7 Q. What do we get there?

8 A. 192.

9 Q. So that leaves us with 192 rats?

10 A. Yes, sir.

11 Q. And again without going into great
12 detail and belaboring this, your paper here, parts
13 1, 2 and 3, was a review by you, and I guess to
14 some extent Dr. Wagner of these 192 rats or -- I'm
15 sorry, the remaining slides or tissues or whatever
16 of these 192 rats that remained alive after the
17 study to see what happened to them at their death.
18 Is that fair to say?

19 A. Yes, sir.

20 MR. GERSON: Could you repeat the
21 preceding question.

22 (Record read)

23 A. Plus an analysis and synthesis of the
24 morphometric data in connection with the
25 morphological or histological studies based on

1 Ilgren

2 those materials.

3 Q. So if -- I am trying to summarize
4 this to put it in laymen's terms.

5 A. Sure.

6 Q. What you were trying to do here is,
7 going back to this archive and pulling out this
8 old material of the rats who now are all long
9 dead, and you were going to examine them -- one of
10 the things you were going to examine is of the
11 remaining 192 living rats, after the experiment
12 they eventually all died or were killed and some
13 tissues or slides were kept of those and you were
14 going to look at those, right?

15 A. Yes.

16 Q. And that's what you did, correct?

17 A. Yes.

18 Q. And then in addition to that, you
19 have just told us that you also in your paper talk
20 about some of this morphological data of the
21 killed rats that Pinkerton and these other prior
22 people have done?

23 A. Well, they did morphometrical
24 studies, studies done -- largely by an electron
25 microscope, some light microscopy, but I suppose

Ilgren

1

2 what I was saying before was that in 1992, I was
3 sent morphometrical data and some tables which
4 presented some pathology data, and I was asked to
5 put together a manuscript and publish this
6 material.

7

And at that time when I looked at the
8 data tables for the animals on lifetime tests, you
9 know, we are talking about the animals that you
10 and I have been talking about just now, it was
11 clear that 40 to 50 percent of the animals were
12 missing, and so I satisfied myself with -- through
13 discussions with Kent Pinkerton that I had most of
14 the morphometrical data.

15

But at that time neither Kent nor I
16 knew where the rest of the animals were that had
17 been on lifetime test, so at that point we agreed
18 that we needed to try to make that determination,
19 and so the study was originally conceived of an
20 analysis not only of the morphometrical data but
21 the so-called -- when I say morphological data, I
22 am talking about the animals on lifetime test as
23 analyzed by traditional light microscopic means --
24 but Kent and I both agreed that the study should
25 be an attempt to integrate both his own work done

1 Ilgren

2 by an electron microscopical analysis and
3 morphometrically with these lifetime studies which
4 had never really been published before.

5 Q. Now, you say you were asked in 1992
6 to publish the morphometrical data. Who asked you
7 to do that?

8 A. I said to publish the whole thing as
9 an integrated work.

10 Q. Who asked you to do that?

11 A. Kent Pinkerton.

12 Q. Did he come to you and say, "Dr.
13 Ilgren, I have selected you to do this," or had
14 you gone to him before then, or how did this
15 contact originate?

16 A. In 19 -- I believe in 1991, I came
17 across Kent's 1983 paper entitled something like
18 "A characterization of the size of Three Fiber
19 Types in an Aerosol," the three fiber types being
20 the Coalinga the UICC/B and the Jeffrey fibre.

21 And I read the paper through, and I
22 believe as we have discussed in the earlier
23 depositions, he had indicated in his paper, in
24 that 1983 paper, that he felt the implications of
25 his findings had a biological relevance and the

1 Ilgren

2 manner in which the data had been set out, the
3 thoroughness and the completeness in which the
4 data had been set out in this 1983 paper suggested
5 to me that this was a part of a much larger study.
6 It was the so-called exposure data analysis of a
7 large inhalational bioassay.

8 And so just to sort of confirm or
9 refute that particular suspicion, I tried to find
10 Kent Pinkerton, and I looked in the 1983 paper and
11 he was listed at Duke. And so I called the
12 Department of Pathology at Duke and I asked for
13 Kent Pinkerton, and they put me on to Dr. Crapo.
14 And Dr. Crapo had said that he had moved to
15 California.

16 But since Dr. Crapo was involved in
17 this particular study, I said, "Well, I am calling
18 because I have a suspicion that there might be
19 other data attendant to this particular study. Is
20 that true?"

21 And he said, "Yes, there is mountains
22 of unpublished data."

23 And so I said, "Well, I would be very
24 interested in speaking with whomever might be able
25 to tell me more about these data."

1 Ilgren

2 And he said, "Well, I will give you
3 Kent Pinkerton's telephone number in the
4 University of California."

5 So I believe late 1991 or some point
6 maybe in early 1992, I called Kent Pinkerton in
7 California and I told him that I have been looking
8 at the issue of long versus short fiber for some
9 years and in particular the Coalinga fibre, and he
10 said that indeed he had a great deal of
11 unpublished data and that he wrote me a letter and
12 he said, I believe it was in December 1992, in
13 this letter that he would be pleased if I sort of
14 cut, paste, did anything I want with this to put
15 it into a written manuscript.

16 Q. Did he give you permission to use the
17 data out of his doctoral thesis and the tables and
18 that sort of material?

19 A. Yes, sir. He sent me chapter 4 of
20 his thesis, two rough manuscripts, five tabular
21 summaries of the lifetime bioassay, the animals in
22 lifetime test, and there were also two letters,
23 one from Jim Crapo from 1979 addressed to Gene
24 McConnell and another 1991 from Dr. Crapo to Kent
25 Pinkerton. I believe that's all he sent me at the

Ilgren

1 time.

2 Q. Do you still have those materials?

3 A. Yes, sir.

4 MR. BROWNSON: Can we get those,
5 Trevor? Just the letters.

6 MR. WILL: Let's talk about it.

7 MR. BROWNSON: O.K. let's move on
8 here.

9 Q. And is it then this paper, part 1, 2
10 and 3 that we have been talking about this morning
11 that is the resulting published work by you that
12 originated back in 1991 when you called Dr. Crapo
13 and Dr. Pinkerton?

14 A. Yes, sir.

15 Q. And again I am wondering why neither
16 since they -- this was their original work, is a
17 coauthor on your papers?

18 A. I beg your pardon?

19 MR. WILL: I already asked him that.

20 MR. BROWNSON: I asked about Dr.
21 Pinkerton. Now I am asking about both.

22 Q. This was the original animal
23 toxicology work for Dr. Pinkerton and these other
24 doctors in the 1970's at the national program we
25

1 Ilgren

2 talked about, and you now have examined some of
3 that data and published it and you told us that
4 Dr. Pinkerton invited you to do that and sent you
5 a bunch of material.

6 My question is do you know why none
7 of those doctors who did the original work are
8 coauthors on your paper?

9 MR. WILL: I object to that. He
10 only talked about the three that he was in
11 contact with, McConnell, Pinkerton and
12 Crapo. Earlier he gave you a much longer
13 list of doctors.

14 MR. BROWNSON: Now I am asking how
15 come none of them are on the papers as
16 coauthors.

17 A. I believe I said they didn't want to
18 be on the paper.

19 Q. And again is it your testimony that
20 none of them gave you a specific reason why they
21 didn't want to be on the paper?

22 MR. WILL: Here is my problem. You
23 have now expanded the question.

24 MR. BROWNSON: I know.

25 MR. WILL: But you have done it in a

1 Ilgren

2 way that makes it suggest that he talked to
3 a lot of doctors about being on the paper
4 when there isn't any testimony that he did.

5 MR. BROWNSON: Let me back up and
6 clarify this then.

7 BY MR. BROWNSON:

8 Q. Is it true that you just asked two
9 people to be coauthors -- I'm sorry, three people
10 to be potential coauthors, Wagner, McConnell and
11 Pinkerton?

12 A. To my recollection.

13 Q. And none of the three or all three
14 declined to be coauthors?

15 A. As I recall, yes.

16 Q. And now my new question is: Do you
17 know any of the specific reasons why any of the
18 three decided not to be coauthors?

19 A. Well, I didn't ask Chris so
20 specifically, but I had the sense from our
21 discussion and interaction that he didn't feel
22 that he had done, and again I am surmising, but I
23 had the sense that he felt he hadn't done that
24 much work on the overall projects, i.e., this
25 specific present study that he wanted to be a

1 Ilgren

2 coauthor. That's my -- that's what I surmise. I
3 don't have a -- and I believe the same with
4 McConnell.

5 Q. How about Dr. Pinkerton, though,
6 because this, after all, was his data?

7 A. I don't know.

8 Q. So what you have just told us, if I
9 can again try to sum this down to a nutshell, is
10 that your own interest here began really when you
11 saw this 1983 paper by Pinkerton and Brody and all
12 these authors and that you read it and you wanted
13 to follow up on it, and you placed this call to
14 Dr. Crapo, and away you went?

15 A. And away -- sorry, I was -- could you
16 just repeat that?

17 Q. This work that you have done has now
18 resulted in the three-part paper that we have been
19 looking at, again to summarize and paraphrase,
20 really began when you found and read this 1983
21 paper by Dr. Pinkerton and Crapo and other authors
22 sometime in about 1991 or so, is that fair?

23 A. Well, these specific papers but I --
24 I mean I had gone to visit Dr. Muhle in Germany in
25 1988, and I have been talking to him about

1 Ilgren

2 Coalinga fibre at about that time or somewhat
3 earlier. So I suppose the inception time in my
4 own mind is before my 1991 discussion, but the
5 data that goes into these specific papers is
6 really time-lined or begins in that 1991 period
7 that we just talked about.

8 Q. And kind of a seminal event, if you
9 will, is when you found this 1983 paper called
10 "Three types of" -- called "Characterization of
11 Three Types of Chrysotile Asbestos after
12 Aerosolization," reference number 45.

13 A. Yes.

14 Q. Now, your first contact then with the
15 authors of that old rat study we have been talking
16 about all morning was the telephone call you
17 placed to Duke University, and you ended up
18 getting Dr. Crapo, right?

19 A. Yes, sir.

20 Q. He then put you in contact with Dr.
21 Pinkerton, right?

22 A. Yes, sir.

23 Q. Did you speak in addition to any of
24 the other coauthors, that would be, Dr. Brody, Dr.
25 McLaurin, Atkins, O'Connor, Pratt?

Ilgren

1

2

A. Yes.

3

Q. And which of those did you speak to?

4

A. I spoke with Dan McLaurin, Bernie

5

Atkins, I think it is Dr. O'Connor,

6

Q. How about Philip Pratt?

7

A. No.

8

Q. How about Arnold Brody?

9

A. I can't recall. I don't believe so.

10

Q. Do you know if at any time between

11

1991 and today whether you have told Dr. Crapo

12

that you are doing work for Union Carbide in

13

consulting work in asbestos litigation?

14

A. I don't believe I told him. I

15

believe Kent Pinkerton and I talked about that at

16

some off and on, and he was aware of that from the

17

beginning.

18

Q. Now, in your papers, parts 1, 2 and

19

3, you have various acknowledgements to different

20

people, correct?

21

A. Yes, sir.

22

Q. Now, here in either of the three

23

parts of your paper, however, is there any

24

acknowledgement to Union Carbide, is there?

25

A. Not that I can see, no.

1 Ilgren

2 Q. You would agree with me, however,
3 that during the time period you worked on this
4 paper and up until today, you have been doing
5 consulting work for Union Carbide and its
6 attorneys in cases involving Union Carbide
7 Coalinga asbestos, right?

8 A. Yes, sir.

9 Q. Do you think, Dr. Ilgren, it would be
10 a good practice to have made some mention of that
11 fact in the acknowledgements so that the reader of
12 these three papers would know that while you were
13 concluding that Coalinga asbestos is, to use your
14 term, a nuisance dust, you are consulting with and
15 helping Union Carbide in its asbestos litigation?

16 A. I didn't think that influenced my
17 analysis and interpretation.

18 Q. Would you agree with me that that is
19 a bias that some reader might be interested in
20 knowing, however?

21 MR. WILL: Well, I object to the
22 form of the question.

23 It is not common practice in the
24 scientific industry for authors to put down
25 everybody they consulted with.

1 Ilgren

2 MR. BROWNSON: No, it is not but --
3 strike that.

4 BY MR. BROWNSON:

5 Q. You told us at a prior deposition
6 that during the time over the past few years, and
7 that includes the time you were working on these
8 papers, your main source of consultation income
9 has been from Union Carbide in its asbestos
10 litigation, correct?

11 MR. WILL: Well, I object to the
12 form of that question, too. He said what
13 he said during certain periods of time. I
14 don't think that is true for the entire
15 period of time.

16 A. I would have to receive the Q and A.
17 I don't believe I said that.

18 Q. Over the past several years, you have
19 been doing consulting for Union Carbide in
20 asbestos litigation, correct?

21 A. Yes.

22 Q. Including this case, by the way,
23 right?

24 A. Yes, sir.

25 Q. One we had versus Union Carbide?

1 Ilgren

2 A. Yes.

3 Q. During the same period of time, you
4 were working on these three papers, correct?

5 A. Yes, sir.

6 Q. And you have published and in your
7 papers concluded that although Canadian asbestos
8 can be both fibrogenic, tumourigenic and
9 biopersistent, Coalinga asbestos is not?

10 A. That's what the data shows.

11 Q. That's what you have concluded,
12 correct?

13 A. On the basis of the data, but that's
14 my conclusion.

15 Q. Now, with respect to the asbestos
16 that was given to these rats in the Pinkerton
17 study back in '78 to 1980 --

18 A. Yes, sir.

19 Q. -- Dr. Pinkerton in his thesis and
20 Dr. Pinkerton in his published paper in 1983
21 describes that as "Coalinga mine chrysotile," is
22 that right?

23 A. I believe so, sir.

24 Q. And as you know, Dr. Ilgren, there
25 have been historically at least three operating

Ilgren

1
2 mines in the Coalinga deposit. We know which of
3 the three this chrysotile came from?

4 A. COF25 Calidria

5 Q. How do you know that?

6 A. I have the documentation.

7 Q. So this is Union Carbide Calidria
8 chrysotile that these rats were exposed to?

9 A. Cyclone overflow.

10 Q. From the Union Carbide mine, correct?

11 A. Yes.

12 Q. By the way, and I disagree a little
13 bit.

14 Are you aware that the California
15 chrysotile that Meltoni used in his experiments
16 was also from the Union Carbide mine because I see
17 you take some pains in your paper to raise some
18 doubt as to which mine that came from?

19 A. He never responded a reply to that
20 effect, so what do you base that on?

21 Q. I based it on the fact that Dr.
22 Langer gave it to him and Dr. Langer got it from
23 that mine?

24 A. That's interesting.

25 Q. So now you know that there is a

1 Ilgren

2 helpful piece of information?

3 A. It is another piece of information.

4 Q. I am looking at the 1983 paper that
5 kind of started all this study for you that we
6 have talked about, and I take it you have read
7 that paper and you familiar with it, correct?

8 A. Yes, sir.

9 Q. Now, that paper has a table called
10 "Table 1," which is entitled "Gravometric
11 Measurements for Each Chrysotile Preparation in
12 the Exposure Chapter," O.K.

13 And again this is the paper that is
14 talking about the rats that were exposed to the
15 three types of asbestos?

16 A. Yes, sir.

17 Q. And that table indicates that the
18 concentration of dust to which the rats were
19 exposed -- strike that -- that table indicates the
20 concentration of dust to which the rats were
21 exposed?

22 A. As milligrams to per cubic meter.

23 Q. Exactly. And it reports both the
24 total dust in the chamber and milligrams per cubic
25 meter, and then it reports what is called the

1 Ilgren

2 respirable concentrations in the dust chamber in
3 milligrams per cubic meter?

4 A. Yes.

5 Q. It is true, is it not, that the
6 respirable concentration of Calidria Union Carbide
7 dust to which these rats were exposed was only
8 about a third as much as the two Canadian
9 chrysotile dusts to which those rats were exposed?

10 A. I think it is 42 percent as opposed
11 to 76 versus 82 percent.

12 Q. It is 42.3 Calidria, 75.7 UICCB and
13 87.1 Jeffrey.

14 And by respirable concentration, they
15 mean the dust that gets breathed by the rats?

16 A. Yes, sir.

17 Q. Now, let me ask you a few more
18 questions about that.

19 You have noted that the percentage,
20 if you will, was 42 percent for the Calidria, 75
21 for the UICC/B Canadian and 87 for the Jeffrey
22 Canadian, but it is also true, is it not, that the
23 total concentration of dust from which the
24 respirable fraction was derived was different,
25 wasn't it?

1 Ilgren

2 A. I believe so.

3 Q. And I can show you this. I mean I am
4 not trying to be tricky.

5 A. No, I know. But just show me the
6 numbers real quick and I will -- yes, that's fine.

7 Q. So what we have got, the total
8 concentration of what's called chamber dust or
9 dust in the chamber was 11.36 milligrams per cubic
10 meter for the Jeffrey chrysotile?

11 A. Casella or Cascade, there are two
12 methods, the top is Cascade or the top is Casella.

13 Q. It looks like "Cascade," but you can
14 check it.

15 A. Yes, it is the Casella.

16 Q. So according to table 1 in
17 Pinkerton's paper 1983, he reports that the
18 chamber dust concentration by mass for the Jeffrey
19 Canadian chrysotile is 11.36 milligrams per cubic
20 meter, correct?

21 A. Yes.

22 Q. For the UICC/B Canadian chrysotile,
23 it is 10.99 milligrams per cubic meter?

24 A. Yes.

25 Q. For the Calidria Union Carbide, it is

Ilgren

1

2 7.76?

3 A. It is not 3.28?

4 Q. No, no, I am talking about the total
5 chamber dust?

6 A. I'm sorry, right, right, right,
7 sorry.

8 Q. Then when you turn to the same table,
9 he next reports the respirable concentration of
10 the dust which is what the rats breathe?

11 A. Right.

12 Q. And he reports that the Jeffrey
13 Canadian chrysotile is 9.9 milligrams per cubic
14 meter, correct?

15 A. Correct.

16 Q. And the UICC/B Canadian is 8.2 grams
17 per cubic meter?

18 A. Right.

19 Q. And the Coalinga Union Carbide is
20 3.28 grams per cubic meter?

21 A. Right.

22 Q. Now, would you agree with me that
23 what that tells us is that the Coalinga Union
24 Carbide asbestos is about a third as much as the
25 Jeffrey in terms of the respirable concentration?

1 Ilgren

2 A. Yes.

3 MR. WILL: By weight, by mass?

4 A. By mass.

5 Q. As reported here, it is about 9.9 for
6 the Jeffrey and about 3.28 for the Coalinga?

7 A. Yes, sir.

8 Q. So it is fair to say, is it not, Dr.
9 Ilgren, that these rats back in the NIEHS
10 experiments got about -- breathed about a third as
11 much Union Carbide Coalinga dust as they did the
12 Canadian chrysotile?

13 A. That would be the assumption, yes.

14 MR. WILL: Again, by mass, not by
15 fibers.

16 MR. BROWNSON: Well, as reported in
17 this --

18 A. As reported by mass, yes.

19 Q. And would you agree that we do not
20 have any actual data as to how many fibers each
21 rat or the different rats breathed, but we do have
22 the data as to the mass or the amount of asbestos
23 they breathed?

24 A. Yes, that's correct. Per that paper.
25 You are talking just about on the basis of those,

Ilgren

1

2 that paper, right.

3

Q. Now, can you tell us where in your
4 papers, parts 1, 2 or 3 you report that in fact
5 the doses or the exposure amounts that the rats
6 got to the three types of asbestos was different?

7

A. I believe there is a presentation or
8 representation of those mass data in part 3, I
9 have to check that. It might be in another part.
10 Again that's discussed at great length in part 4,
11 which as you have pointed out, is not yet
12 published. I thought we had repeated the mass
13 data, but I guess we hadn't repeated it.

14

Q. Again I will go to the abstract of
15 part 1 of your paper which is entitled "Coalinga
16 Fibre - A Short Amphibole-Free Chrysotile," part
17 1, "Evidence for a Lack of Fibrogenic Activity,"
18 and what you say in your abstract and what you
19 then say in the paper is that the exposed rats
20 back in this Pinkerton et al. experiment showed
21 fibrogenic responses to both asbestos types from
22 Canada but none from the Coalinga chrysotile,
23 correct?

24

A. Yes.

25

Q. But you never say anywhere in there

1 Ilgren

2 that they only got a third as large a dose of
3 Coalinga chrysotile as they did of the Canadian?

4 A. It is not discussed in this paper.

5 Q. Would you agree with me the inference
6 that the reader is left with is that these rats
7 who were all exposed to the three types of
8 asbestos in equal amounts and those exposed to
9 Canadian asbestos got sick and those exposed to
10 'Calidria did not?

11 A. They were all occupational exposures
12 but one would infer that.

13 (Luncheon recess: 12:30 p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

1

2

AFTERNOON SESSION

3

1:45 p.m.

4

5 E D W A R D I L G R E N ,

6

resumed and testified further as follows:

7

EXAMINATION (Continued)

8

BY MR. BROWNSON:

9

Q. Dr. Ilgren, I want to once again plow through the number of animals, but hopefully we can finish it fairly quickly.

12

But before I do that, one thing occurred to me which I could not get -- find an answer from your papers and maybe you know.

15

Do you know if any of the sacrificed rats, you know, the ones we have talked about earlier that were sacrificed at either before it started, before the experiment started or at zero, 3 or 12 or 24 months, do you know if any of those rats had tumors? Do we have any data on that?

21

A. Yes. Yes, there is mention of, I believe, two tumors at 24 months and I put this -- this should be in my paper. It is in part 2. It is in part 2, I believe.

25

Q. I don't want to jump ahead then.

1 Ilgren

2 A. That's fine.

3 Q. We will get to that.

4 Now, going back to part 1 of your
5 paper, you had spoken about one of the things that
6 the federal government groups who were doing the
7 rat inhalation study was doing was they had this
8 big group of Fischer control rats that they were
9 looking at.

10 Do you know what I am talking about
11 there?

12 A. Controls?

13 Q. Not on this particular study we have
14 been talking about.

15 A. The Solleveld.

16 Q. Yes, the Solleveld?

17 A. Yes, the Solleveld group.

18 Q. And I pulled the reference that I
19 found in your paper with respect to that. I found
20 a paper. This is one of the papers that you had
21 referred to. It is called "Natural History of
22 Body Weight Gain, Survival and Neoplasia in the
23 F344 Rat," by Solleveld and some other authors?

24 A. And the question is?

25 Q. Well, first of all, I want to confirm

1 Ilgren

2 is that the paper that --

3 A. Which I have cited in here?

4 Q. Yes.

5 A. I believe so. I would have to go and
6 check, but I am very -- I am pretty sure this is
7 the paper which is cited. Yes, it is reference
8 42.

9 Q. Now, I am going to work off my notes
10 here and let me see if I got it correct.

11 If you turn to page 942 of that paper
12 at table 1, have I got that correct?

13 A. Yes.

14 Q. I count 5,747 rats in this big group,
15 is that right?

16 A. Yes.

17 Q. Now, just can you explain for us, Dr.
18 Ilgren, what this is. I understood this was kind
19 of some big general study of the Fischer 344 rats
20 for general purposes. It wasn't tied into this
21 particular study we have been talking about this
22 morning, is that right, or is this just --

23 A. No. My explanation as having put the
24 same question to Chris Wagner, his explanation
25 rather to me was that when the study was being

Ilgren

1
2 the companion concurrent collaborative study that
3 was run in conjunction with the Medical Research
4 Council in the United Kingdom, so as the study was
5 originally set forth the -- there were two groups
6 that were run in parallel, an untreated control
7 group and a UICC/B chrysotile exposure group, and
8 they were, to my knowledge, started almost at the
9 same time in the United Kingdom and at the NIEHS.
10 And that was the primary purpose of the study.

11 The NIEHS group also added the
12 Coalinga short and the Jeffrey long to their
13 protocol, but those two were not added to the
14 United Kingdom protocol. O.K.?

15 Q. Just so we are clear, this
16 collaborative study that was done back in the 70's
17 and 80's as well?

18 A. Right, that's McConnell 1984 was
19 cited.

20 Q. You mean Wagner?

21 A. No, it is actually McConnell et al.

22 Q. Now, continuing in table 2, we come
23 to the Coalinga lifetime rats, and again these are
24 slides that are being looked at by you, right?

25 A. Yes.

1 Ilgren

2 which is the Solleveld paper, it lists all these
3 different types of neoplasias that these control
4 rats had. None of these rats were exposed to
5 asbestos, just so we are clear?

6 A. No, sir.

7 MR. WILL: Is that correct, they
8 were not exposed.

9 A. That's correct. They were not
10 exposed to asbestos.

11 Q. If you look down on this list of
12 males, when we come to mesothelioma, it says two
13 rats, and they were both mesothelioma, and they
14 are just .4 percent, I guess?

15 A. I believe so.

16 Now, could I see that? Were they
17 cited as NOS.

18 Q. I don't know.

19 A. Yes, I think they are NOS. Well,
20 they would be plural.

21 Q. In any event, would you agree with me
22 that out of this control group of some 5,748 rats
23 were not exposed to asbestos they found two
24 mesotheliomas?

25 A. Yes, we have cited those in our 1991

Ilgren

1

2 paper and in the book.

3 Q. And I did my own math, and that comes
4 out to .03 percent. Does that sound about right?

5 A. If that is what you come out with,
6 sure.

7 Q. So would you agree that in at least
8 among this control group of the Fischer 344 rats
9 which were used in the study we have been talking
10 about today that the naturally occurring or
11 background level mesothelioma is about .03
12 percent?

13 A. I would say yes. The only thing that
14 comes to mind is I think there were a couple of
15 other studies of untreated Fischer rats, and I
16 can't remember what they found. I think it is a
17 slightly higher incidence reported by others, but
18 yes, I would agree with that.

19 Q. I want to go again to the 1983
20 Pinkerton paper we were talking about before
21 lunch, and again this is the paper cited by you as
22 reference 45 in part 1 of your paper?

23 A. Yes.

24 Q. Now, I am looking at table 2 of that
25 paper. Are you familiar with that table? I will

1 Ilgren

2 show it to you.

3 A. Yes, I am familiar with the paper.

4 Q. And this table 2 is entitled "Optical
5 Microscopy Fiber Characterization Percentage of
6 All Fibers Greater than 5 Microns in Each Size
7 Class," right?

8 A. Right.

9 Q. And what this table shows is of the
10 fibers greater than 5 microns how they break down
11 into different lengths, correct?

12 A. Yes.

13 Q. And these are the data as reported
14 and published by Dr. Pinkerton in 1983 with
15 respect to the Coalinga asbestos and the two
16 Canadian types, UICC/B and Jeffrey, correct?

17 A. Right.

18 Q. Do you agree with me that as a
19 general proposition, that of the fibers greater
20 than 5 microns, the three asbestos types, there
21 isn't a great deal of difference in their
22 breakdown in the different categories?

23 A. Agreed.

24 Q. I am now turning back to the
25 "Materials and Methods" section of part 1 of your

1 Ilgren

2 paper. I am at page 266, and down at the bottom
3 of the right-hand column, you have got the heading
4 "Histopathological Analysis."

5 A. Yes.

6 Q. And you write, "Animals on lifetime
7 test were analyzed histopathologically as
8 described by McConnell et al."

9 Now, my question is who analyzed
10 these? When you say they were analyzed, who did
11 that?

12 A. I did with Chris Wagner.

13 Q. This is that review you told us about
14 earlier this morning down at the archive when you
15 looked at the slides under the microscope?

16 A. Yes.

17 Q. Then in the second paragraph of that
18 section, you say, "The interim sacrifices were
19 scored," and then you talk about how they were
20 scored. Who did that? Is this something that you
21 did again or is this going back to the early work?

22 A. That's early work, chapter 5, table
23 X, thesis Pinkerton, 1982.

24 Q. Now, I then turn to table 2 of part 1
25 of your paper, which is certain fibrosis scores on

1 Ilgren

2 the rats on lifetime tests, and you look at the
3 three different asbestos types, right?

4 A. Yes.

5 Q. First of all, let me go back and make
6 sure I am clear.

7 When you are talking here about
8 lifetime tests, are you now talking about those
9 rats that lived past the 24-month period?

10 A. Yes.

11 Q. That's what we are talking about?

12 A. Yes.

13 Q. And just again, that is how many
14 rats?

15 A. 28. As I indicated before, there
16 were -- in one instance, there appeared to be one
17 or two more such as in table 2. If you look at
18 the UICC/NIEHS 1996, you will see for males, there
19 is 30, so I mean in that instance, I found two
20 extra animals.

21 MR. WILL: And that's 28 per sex per
22 group.

23 THE WITNESS: Yes.

24 Q. So let's back up again just so I can
25 be clear on this.

1 Ilgren

2 Of the rats that survived the 24
3 months and were not killed as of 24 months --

4 A. Yes.

5 Q. -- and we may need to work the math
6 here, there were -- what was it, 192, we had
7 figured earlier that were left at that point?

8 A. I believe so. Yes.

9 Q. And those were again in the four
10 groups, control group, Coalinga rats, Jeffrey rats
11 and UICC/B rats?

12 A. Yes, I believe so, yes.

13 Q. And as a general matter, as I
14 understand what you are telling us in table 2,
15 there were equal numbers of each except these
16 couple of extra ones here and there that we will
17 look at here, is that right?

18 A. That's to the best of my
19 recollection.

20 Q. So, for example, on the control rats,
21 you report there were 28 males and 26 females. Is
22 that what that says?

23 A. Yes.

24 Q. Now, let me just see if I have got
25 this terminology straight.

1 Ilgren

2 Table 2 starts by saying

3 "Control/NIEHS 1996"?

4 A. Yes.

5 Q. And what these are, are -- again what
6 all of these things are in table 2 are the rats
7 that survived more than 24 months?

8 A. Yes.

9 Q. When you say "Control/NIEHS 1996,"
10 what you are describing there are the slides of
11 rats that were dead but had lived more than 24
12 months that you and Dr. Wagner looked at when you
13 went down to the archives?

14 A. Right. In conjunction with the
15 autopsy protocol and the gross pathology findings,
16 but that's right.

17 Q. You went looking for the NIEHS, you
18 found them in this archive at the NIEHS in North
19 Carolina?

20 A. That's correct.

21 Q. So if I was to more, in a longer
22 sentence, describe what those control rats are,
23 those are control rats who lived -- I'm sorry, let
24 me back up and start again.

25 If I was going to describe what those

Ilgren

1
2 control rats are, those are the control rats who
3 were not killed in the original experiments, up to
4 24 months, but who later died and the slides were
5 preserved in the archive and you found them and
6 looked at them in 1996, is that fair to say?

7 A. Yes.

8 Q. Now, do we know in terms of what you
9 call the lifetime rats or these lifetime scores or
10 lifetime tests, do we know how long this lifetime
11 was?

12 A. Yes.

13 Q. How long was that?

14 A. It varied.

15 MR. WILL: Which rat?

16 Q. Were they allowed to live out their
17 life or were they all killed at some point?

18 A. No, they were allowed to live out
19 their life and the survival data for the
20 individual rats are given in individual data
21 tables. I think if you look at the figure legend
22 at the bottom of table 2 where you see 750 plus
23 days, well, that pertains to MRC data, but there
24 were survivors generally in excess of, as I
25 recall, 600 days, 700 days for most rats, but

1 Ilgren

2 every rat that died has a survival duration for
3 it. O.K.

4 Q. So these rats that were not
5 sacrificed as part of the experiment were allowed
6 to live out their life in a cage and then when
7 they died, somebody noted how old they were and
8 cut them up and to preserve these slides, is that
9 fair to say?

10 A. Yes.

11 Q. Then this was all filed away within
12 the archive. Then you came along in 1995 and '6,
13 found it and looked at it, and you are now
14 reporting in this table 2 what you saw, is that
15 correct?

16 A. Yes.

17 Q. Now, if we then look at table 2, and
18 I will try to get through this as quickly as I
19 can, the first thing you report is the controls
20 that you looked at, and there were 28 males and 26
21 females, right?

22 A. Right.

23 Q. Then you say "Control MRC, 1984, 34
24 total rats." What is that data?

25 A. Well, those data are the data from

1 Ilgren

2 the companion concurrent collaborative study that
3 was run in conjunction with the Medical Research
4 Council in the United Kingdom, so as the study was
5 originally set forth the -- there were two groups
6 that were run in parallel, an untreated control
7 group and a UICC/B chrysotile exposure group, and
8 they were, to my knowledge, started almost at the
9 same time in the United Kingdom and at the NIEHS.
10 And that was the primary purpose of the study.

11 The NIEHS group also added the
12 Coalinga short and the Jeffrey long to their
13 protocol, but those two were not added to the
14 United Kingdom protocol. O.K.?

15 Q. Just so we are clear, this
16 collaborative study that was done back in the 70's
17 and 80's as well?

18 A. Right, that's McConnell 1984 was
19 cited.

20 Q. You mean Wagner?

21 A. No, it is actually McConnell et al.

22 Q. Now, continuing in table 2, we come
23 to the Coalinga lifetime rats, and again these are
24 slides that are being looked at by you, right?

25 A. Yes.

1 Ilgren

2 Q. O.K. And if I read this correctly,
3 there were 27 male rats exposed to Coalinga fibre
4 and 24 females who were allowed to live out their
5 lifetime, right?

6 A. That's correct.

7 Q. So that gives us a total of 51 --

8 A. Maybe I should -- there were data
9 found in the archives for 27 animals. The
10 number -- the proper number at the start would be
11 a calculated 28, but in the actual data sheets
12 that I found in the archives, there were records
13 for 27.

14 Q. That was my question. 27 males and
15 24 females?

16 A. Exactly. And the ones that could be
17 used for scoring that were not confounded by, say,
18 leukemia, as I indicated in the tumor paper it
19 would be Coalinga males 21.

20 Q. Now, do we know or do you know
21 whether 28 rats -- 28 male rats and 28 female rats
22 were exposed to Coalinga and allowed to live out
23 their lifetime or you couldn't find records or
24 they really only did 27 females or 24 females?

25 A. I don't know.

1 Ilgren

2 Q. So we don't know which of those two
3 it was?

4 A. Correct.

5 Q. But you found records of 27 male rats
6 and 24 female rats exposed to Coalinga fibre and
7 allowed to live out their lifetime?

8 A. That's correct.

9 Q. And then in terms of giving a score
10 to those rats for fibrosis in their lungs, you
11 determined that 21 of them were -- 21 males and 17
12 females were able to be scored?

13 A. That's correct, with Dr. Wagner. He
14 and I went through, as I recall, all of the
15 Coalinga and the untreated controls together as I
16 recall.

17 Q. So there were --

18 A. I believe -- excuse me one second. I
19 don't think we specifically state which cases
20 we -- no, we don't specifically state which ones
21 we looked at, but he -- Chris and I went through a
22 fair sampling of the control and the Coalinga
23 slides together. We didn't look at any of the
24 UICC/B together and we also looked at a number of
25 the Jeffrey together as well.

1 Ilgren

2 Q. But when you say you looked at a fair
3 sampling of them together, you personally looked
4 at all of them?

5 A. I looked at all of them, and he and I
6 looked at a sampling of them.

7 Q. So in terms of trying to figure out
8 whether the Coalinga exposed rats that lived out
9 their lifetime had fibrosis in their lungs or not,
10 you determined that there were 21 male rats and 17
11 female rats that could be examined for that
12 purpose?

13 A. Yes.

14 Q. And you also determined that there
15 were six males and seven females or a total of 13
16 that you were unable to score because there was
17 some problem with the slides?

18 A. Yes.

19 Q. So of those 13, we don't know whether
20 they had fibrosis or not because you weren't able
21 to make that determination because the slides were
22 obscure or whatever?

23 A. We discussed the possibility of that
24 and there is a discussion of that point in the
25 paper itself.

1 Ilgren

2 I just want to see here.

3 As I have indicated on page 267,
4 column 1, paragraph 2, with reference to the
5 animals which we could not score but we believe
6 that there wasn't any reason to believe that the
7 larger group that we could sample was not
8 representative of the whole.

9 Q. And why do you say that?

10 A. Just on the basis of the consistency
11 of what we saw in the group -- in the cases which
12 we could see. There was no suggestion whatsoever
13 in the 38 animals exposed to Coalinga which could
14 be histologically scored of fibrosis.

15 Q. Well, O.K. You did, however, find
16 age-related lesions on those that could not be
17 scored, correct?

18 A. Sure.

19 Q. And was the age-related lesions the
20 reason they couldn't be scored? That seems to be
21 what you are saying here.

22 A. Well, I am saying that these
23 particular so-called age-related lesions, which
24 includes the Fischer cell leukemia and includes a
25 mode of death very common to these rats, which is

Ilgren

1
2 a renal failure due to amyloidosis and secondary
3 uraemia and pneumonitis causing edema, there was
4 no reason to believe that these were necessarily
5 obscuring an underlying fibrosis. It is not that
6 there was an age-related fibrosis per se.

7 Q. You call it age-related lesions?

8 A. Yes, exactly.

9 Q. But then you say that the age-related
10 lesions were probably treatment-related. What do
11 you mean by that?

12 A. Where are you reading?

13 Q. I am reading in that paragraph you
14 just cited me to the second paragraph on the first
15 column on page 267.

16 A. I think what we are saying here
17 pertains to competing causes of death. The
18 Coalinga and the untreated animals died of
19 so-called age-related lesions. The age-related
20 lesions was the kidney disease and this form of
21 cancer, this leukemia.

22 The Canadian-treated animals died
23 prematurely because of the pathogenic and
24 fibrogenic and cancer-inducing effects of the
25 Canadian asbestos, and there was -- as I recall,

1 Ilgren

2 there was less age-related lesions in the
3 Canadian-treated animals because they were dying
4 from asbestos exposure or the attributable effects
5 of the asbestos exposure.

6 Q. And that is determined by you looking
7 at these slides in 1996?

8 A. And all the other data that I had,
9 the autopsy reports.

10 Q. So it is determined by you looking at
11 all this material you found in the archive in
12 1996?

13 A. Yes, sir.

14 Q. So if I can then try to summarize the
15 results as described by you under the heading
16 "Results," you're saying that after you looked at
17 the archival materials, 38 Coalinga-dosed rats
18 that lived out their lifetime and some control
19 rats and some Canadian chrysotile rats, you
20 concluded that those data showed that the Coalinga
21 chrysotile is not fibrogenic but the Canadian is?

22 A. It is absolutely clearcut.

23 Q. That is in contrast to other data
24 including data to papers you cited in your
25 references which would show that the Coalinga

1 Ilgren

2 asbestos is fibrogenic in certain instances,
3 correct?

4 MR. GERSON: I object to the form,
5 of course.

6 A. I don't see the data. I saw the
7 statement.

8 Q. Well, we looked at those three
9 abstracts a moment ago that you cited.

10 A. You showed me a line in O'Neil, Crapo
11 1981 that said histologically there is
12 interstitial fibrosis, but I never saw the data.

13 Q. Did you look for it?

14 A. I looked for every single piece of
15 data associated with this study.

16 Q. But, Doctor, this is data presented
17 in a paper, and you rely on papers and all
18 scientists rely on papers, and if these eminent
19 scientists like Dr. Pinkerton said that
20 Coalinga-exposed rats got interstitial fibrosis,
21 you don't doubt them, do you?

22 MR. WILL: I object to the form of
23 the question on several grounds. Let him
24 finish the question.

25 Q. Just because it only took Pinkerton

1 Ilgren

2 one line to say it, you don't doubt what he found,
3 do you?

4 A. Is that the question?

5 Q. Well, you seem to be concerned that
6 it -- that I quoted one line, so let me ask the
7 question.

8 You will agree with me that in the
9 same group of rats, that is, the Coalinga-exposed
10 rats, but those that did not live out their
11 lifetime, those that were killed at various time
12 periods along the way, Dr. Pinkerton and these
13 other doctors who did the study did find
14 interstitial fibrosis caused by the Coalinga
15 asbestos?

16 A. I have no idea what they based that
17 on.

18 Q. But they found it, didn't they?

19 A. Well, Kent Pinkerton and I
20 communicated. He sent me all of his data. We
21 have had numerous discussions of what he sent me.
22 I don't find anything in terms of the data that he
23 ever sent me or in fact anything he ever said to
24 support that statement.

25 Q. Doctor, you don't find it, but you

1 Ilgren

2 will agree with me that Dr. Pinkerton reported in
3 the American Review of Respiratory Disease in
4 three different abstracts he published there that
5 he found interstitial fibrosis in Coalinga-exposed
6 rats from this experiment?

7 MR. WILL: I don't think it says
8 interstitial fibrosis.

9 A. It says in O'Neil, Crapo in 1981 that
10 histologically they found interstitial fibrosis.
11 As I recall they had looked at 24 months. I think
12 they go to 24 months, is that correct?

13 Q. Yes, they do.

14 A. And I am saying that. I have never
15 seen any light microscopical histological data
16 which either Dr. Pinkerton or Dr. O'Neil or Dr.
17 Crapo have ever compiled to support that
18 statement, and I have been given all the data, I
19 have reviewed all the data. I have discussed with
20 them their data, and in my opinion they have --
21 there is no basis to say that or to support that
22 particular line in O'Neil et al. 1981.

23 Q. Which really is 1980?

24 A. I'm sorry, 1980.

25 Q. So are you saying that Dr. Pinkerton

1 Ilgren
2 was simply wrong when he reported in the American
3 Review of Respiratory Disease that there was
4 interstitial fibrosis caused by the Coalinga
5 fibrosis in rats?

6 MR. WILL: Can you be more specific
7 with the article. I think what you said
8 this morning was lung changes, if I
9 remember the quote correctly.

10 Q. In one article Dr. Pinkerton and
11 these other doctors including Drs. Crapo, Brody
12 and Pratt said that all fibers, and this includes
13 the Coalinga, caused injury to the epithelium and
14 interstitium, correct?

15 A. You are reading from Pinkerton et al.
16 1981, that particular --

17 Q. Yes, I am.

18 A. And in that -- before you go on, in
19 that particular paper, do they report 24-month
20 data?

21 Q. I don't know if they do or not,
22 12-month data?

23 A. Well, in that particular paper, they
24 don't report the complete data.

25 Q. Let me ask you this. If a rat gets

1 Ilgren

2 asbestosis in three months, does -- that
3 asbestosis doesn't go away and disappear?

4 A. Do they say asbestosis or do they say
5 injury?

6 Q. O.K.

7 A. They are talking about acute
8 reactions which are clearly reversible for
9 Coalinga, all the data clearly indicate that the
10 acute reaction, the accumulation of very small
11 accumulation of cells and matrix in the exposed,
12 high exposed Coalinga animals are reversible and
13 disappear. They disappear at the end of life.
14 They disappear at the end of 24 months.

15 Q. You say that?

16 A. Their data say that. Their data is
17 presented in this paper. These are their data.

18 Q. The data that you have dug out of an
19 archive and you have presented in a paper you say
20 says that?

21 A. The data which I was sent by Dr.
22 Pinkerton which are replicated precisely in this
23 thesis, precisely in his papers, Pinkerton, et al.
24 1984, 1996, 1990, these are precise replications
25 of his very data as sent to me by him. These data

Ilgren

1
2 say that there is resolution, there is no
3 persistent significant reaction to Coalinga fibre
4 by 24 months.

5 Q. Yet Dr. Pinkerton refused to put his
6 name on that paper, didn't he, as you have told us
7 earlier today, correct?

8 A. He said he didn't want to be a
9 coauthor.

10 Q. Now, let's move to table 4 in part 1
11 of your page?

12 A. O.K.

13 Q. Is this a table that you generated or
14 did this come from somewhere else?

15 A. Well, these are data that I was sent
16 by Dr. Pinkerton, is that your question?

17 Q. Right. That's what I am wondering.

18 A. Right, these are data.

19 Q. Do you draw any conclusions from
20 these data? In other words, as I read this, these
21 numbers are kind of all over the map, and I don't
22 see any pattern or conclusion here. I am curious
23 what you draw from it.

24 A. Well, there is no persistent
25 induction or accumulation of noncellular

1 Ilgren

2 interstitial matrix induced by Coalinga at the end
3 of the 24-month period when you compare Coalinga
4 to controls.

5 Q. O.K. But I don't see any 24-month
6 data in this table?

7 A. Well, it is 12 plus 12. The table
8 reads 3-month exposure.

9 Q. O.K.

10 A. 12 months exposure, and then at 12
11 months, exposure ceases and so it is --

12 MR. WILL: O.K. I got you

13 A. Yeah, O.K.

14 Q. This is reporting noncellular what?

15 A. Interstitial matrix volume.

16 Q. Now, in the controls, in the males at
17 24 months, it is 178, but in the Coalinga it was
18 287. Are you saying those are the same?

19 A. On the basis of the statistical
20 comparisons using the small number of animals,
21 there is no statistical difference between the 178
22 and the 287.

23 Q. Well, doesn't that just illustrate
24 that we don't have enough animals here to get the
25 power of statistics to work?

Ilgren

1
2 A. I think what it indicates is what you
3 have to do is you have to interpret the study as a
4 whole and not rely solely on the morphometry. I
5 think the morphometry are very telling. If you
6 look at a bigger picture and compare in that same
7 table 4 the two Canadian fibers, there is a
8 massive increase by 24 months in the interstitial
9 matrix volume or what Pinkerton terms the
10 morphometric equivalent of fibrosis. Each were
11 well over 400 as compared with the controls, as
12 compared with the Coalinga fibre. I think the
13 study, the morphometrical analysis is indeed
14 limited by the fact that there were only four of
15 each sex taken out --

16 Q. O.K.

17 A. -- for each group, that's absolutely
18 certain. And there is also limitation posed by
19 the fact that the electron microscopical analysis
20 relied on 1 millimeter cubes of tissue, whereas in
21 the light microscopical analysis, you look at
22 basically a section through the whole lump.

23 So I think each method adds
24 information, and to some extent one method gives
25 you information you can't get from another, but I

1 Ilgren

2 think you have got to look at the whole thing
3 together. When you look at the whole thing
4 together, it all comes together to say there is no
5 fibrogenic potential to Coalinga. That is
6 absolutely clear from these data.

7 Q. It is clear to you, but it is not at
8 all clear to me, so let's return to table 4.

9 A. All right then.

10 Q. You are saying at 24 months all you
11 had was 8 Coalinga-exposed rats, 8 controlled
12 rats, 8 UICC/B rats, and 8 Jeffrey rats. And you
13 had this one small cube of tissue, each looked at
14 microscopically?

15 A. Right.

16 Q. You are saying based on such a small
17 number of rats, you cannot say that the Coalinga
18 is greater than the control, right?

19 A. Well, I am simply saying that the
20 statistical analysis will not differentiate
21 between the control and the exposed.

22 Q. And that's because there aren't
23 enough rats to do that?

24 A. Not necessarily.

25 Q. Well ---

Ilgren

1

2 A. It is --

3 Q. A difference in a volume between 178
4 and 287 you have to agree is significant. That's
5 over an 80 percent increase in volume, you are
6 saying that's insignificant?

7 A. It is also a question of the standard
8 deviation, and the variation in confidence here,
9 you know it is more than just the absolute
10 numbers, and this is why one uses a multiple
11 comparison analytical method which you know, but I
12 mean this is why this is done.

13 Q. O.K. But to get back to the
14 question, there is, you will agree with me, that
15 among the 8 Coalinga-exposed rats versus the 8
16 controlled rats which are the Pinkerton data
17 described by you at table 4, there is nearly 80
18 percent increase in the volume, in the
19 Calidria-exposed rats, as opposed to the control.
20 We can argue all day, I guess, whether that is
21 significant or not, but that's a fact?

22 A. It is a fact in the analysis of the
23 numbers, but if you go back to table 2 and you
24 actually see that, you know, if you compare
25 average fibrosis scores, I mean there is an

Ilgren

2 apparent difference of the Coalinga has a
3 so-called slightly higher fibrosis score, and I
4 think the difference that you are alluding to here
5 is manifest perhaps in this what you are terming
6 an 80 percent difference, but I mean if you look
7 at the females, there is absolutely no difference
8 whatsoever.

9 Q. But I wasn't looking at --

10 A. You are looking at the males.

11 What I am trying to say is, is there
12 is -- there is some quote/unquote effect taking
13 place, but it is not reflected in the fibrosis
14 here.

15 Q. Yes, or by you?

16 A. As scored by me, as scored by Chris
17 Wagner.

18 Q. Well, as scored by you in table 2 is
19 what I am saying.

20 A. Yes. In fact, it is also scored in
21 table 3, which is the other table used to look at
22 parenchymal fibrosis.

23 Q. Now, I am turning my attention to
24 table 7 which is at page 271.

25 MR. GERSON: Table what?

1 Ilgren

2 MR. BROWNSON: 7.

3 Q. This is a table entitled "Changes in
4 number of the individual cellular components of
5 the alveolar interstitium in rats sacrificed at 3,
6 12 and 24 months treated with Coalinga, Jeffrey
7 and UICC/B chrysotile," right?

8 A. Well, it is right, but the UICC/B
9 should obviously not be there.

10 Q. Why not?

11 A. Because the sections were according
12 to Kent Pinkerton. I made a mistake in including
13 it. That's why. But basically the full
14 explanation is that Kent never analyzed for these
15 particular changes in the individual cellular
16 components, the UICC/B treated animals, so that's
17 an error on my part.

18 Q. Now, let's turn on the same page 271
19 to your section which is headed "Survival Rates of
20 Animals on Lifetime Test."

21 A. Yes.

22 Q. And again I am confused. You
23 describe 53 controls, but going back to our early
24 discussion today, there should be 80.

25 Are we saying you could only find

1 Ilgren

2 records of 53 of them?

3 MR. GERSON: Excuse me, where are
4 you looking at?

5 MR. BROWNSON: Right at the top of
6 the second column, page 271.

7 A. Well, I have in table 2 actually 54,
8 so that should probably read on page 271, 4 out of
9 54 concurrent controls. I think that's what it
10 should read, so that's correct, the record should
11 read 54.

12 Q. So that's an error in the page 271,
13 that should be 54 and not 53?

14 A. Yes.

15 Q. But again that indicates that when
16 you reviewed these records in the archive, you
17 could only find a record of 54 of the controls,
18 and we don't know if that means that only 54 were
19 done or if all 80 were done and you just couldn't
20 find the records --

21 A. No. All 60 -- you meant all 60, it
22 would have been -- oh, wait a minute. 56
23 actually. Remember it would be 28 at the end of
24 the 24-month period, you are going all the way
25 back.

Ilgren

1

2 Q. You're right O.K. 56. So we don't
3 know what happened to the other two controls, if
4 they were just not done or if the records didn't
5 survive, correct?

6 A. That's correct, yes.

7 Q. Now, the next section on that same
8 page is entitled "Parenchymal Fibrosis and
9 Survival"?

10 A. Yes.

11 Q. And basically what we are saying here
12 is that, or what you are concluding is that the
13 Canadian asbestos reduced the life span of the
14 surviving rats but the Coalinga asbestos did not,
15 correct?

16 A. Yes.

17 Q. And again this is based upon your
18 review of that same number of rats we talked about
19 earlier?

20 A. Yes.

21 Q. But you then say, "Cases with
22 pulmonary tumors, severe leukaemic infiltration,
23 or marked uraemic involvement of the lung were
24 excluded from the analysis," right?

25 A. Yes.

1 Ilgren

2 Q. Why were they excluded?

3 A. Because in those particular instances
4 you couldn't with absolute certainty, as I have
5 indicated before, this is -- these were
6 confounding features which have made it difficult
7 to analyze fibrosis in particular -- well, there
8 were virtually -- very few cases in which the
9 pulmonary tumor obscured the level of fibrosis. I
10 think there was one, but as I have indicated, I
11 believe in paper number 2, there were cases, and
12 this is at the end of tables, I think number 2 --
13 there were cases that just could not be read.

14 If you look -- if you look at the
15 bottom of table, for example, 2-A and 2-B, it says
16 "cases from lifetime test that could not be read
17 due to autolysis or cases on lifetime tests that
18 could not be read due to leukaemia." So it is
19 part of this issue of age-related lesion again.

20 Q. Now, going to the bottom of the page,
21 you say, "A small percentage of slides were
22 missing, 2 percent (5/208)."

23 What are we talking about there?

24 A. Well, there were just five -- there
25 were autopsy reports for all the animals, but when

Ilgren

1

2 I went -- and on each autopsy report, there was an
3 animal number and a histology number, but when I
4 went to go to the actual cardboard boxes where the
5 histology slides were kept, I couldn't find the
6 slides for that particular animal, so in five
7 cases that was so.

8 Q. Now, let's go to table 8, which is on
9 the next page, page 272.

10 A. Yes.

11 Q. And this is a table which shows a
12 number of things, but it lists the different types
13 of asbestos.

14 A. Yes.

15 Q. And it shows various scores of
16 fibrosis, and then it also shows tumor response,
17 correct?

18 A. Yes.

19 Q. Now, if you look at the Coalinga
20 number 6, which were the slides that you looked
21 at, right --

22 A. Yes.

23 Q. -- these were the surviving rats that
24 died, and you looked at their slides later?

25 A. Yes.

1 Ilgren

2 Q. And if you go to tumor response, you
3 say 2 of 90. Where does that number come from?

4 A. That would come from paper 2.

5 Q. I don't want to jump ahead of
6 ourself, but in paper 2, it says tumor response
7 for the same surviving Coalinga rats is 2 of 51?

8 A. I think what I did there was I just
9 took 90 as the original number of animals in the
10 entire so-called Coalinga group before any was
11 taken out, which may not be the absolutely correct
12 way to present that. It should probably be 2 of
13 80.

14 Q. So 2 of 90 is incorrect, it should be
15 2 of 80?

16 A. I believe so. Unless one wants to
17 consider the absolute number of animals at risk as
18 56, which might also be -- which might also be
19 appropriate. Does that make sense to you, because
20 28 animals run lifetime test?

21 Q. We are jumping ahead to page 2, but
22 just to do it at this point, you report finding
23 two tumors in the Coalinga-exposed lifetime
24 animals, right?

25 A. Yes.

1 Ilgren

2 Q. And in terms of figures out -- two
3 out of how many, it could be either 90, 80 or 56
4 or is 90 just an error, it could be either 80 or
5 56?

6 A. It could be 80, 56.

7 MR. WILL: Or 90 if you count before
8 you took the first ones out.

9 THE WITNESS: Yes.

10 A. There is one other thing about this
11 table. I am going to jump ahead, but the 3.3
12 average concentration for Coalinga COP25, that
13 should probably have been 8.8.

14 Q. Because are we talking about the
15 overall concentration?

16 A. Yes, I believe that's the overall
17 concentration and that would indicate that it is
18 probably at least several hundred fibers per cc in
19 that order.

20 Q. So is this another error in your
21 table when you say 3.3?

22 A. Well, if one is talking about average
23 concentrations all being total dust masses.

24 Q. I don't know what you are talking
25 about. Your table reads "Average Concentration,"

1 Ilgren

2 and it reports 3.3, and you are now saying that is
3 wrong --

4 A. Well, all I am saying is that the
5 other data, to my recollection, were expressed as
6 total mass doses, and the 3.3 is the respirable
7 concentration. Does that make sense to you?

8 Q. Well, I don't know if it does or
9 doesn't --

10 A. Well, O.K. it is not.

11 Q. You are saying the 3.3 you report in
12 is wrong?

13 A. I don't say it is necessarily wrong,
14 but to make it perhaps comparable to the others,
15 it should probably be 8.8, which is a very high
16 dose consistent with some of the higher levels
17 reported in the other treatment groups, that's
18 all.

19 Q. Well, when you say it is a very high
20 dose, again if we go back, the average
21 concentration as actually reported in the
22 Pinkerton paper was 7.9, it wasn't 8.8.

23 A. Sorry, 7.9.

24 Q. The other two Canadians were a little
25 over 10 and a little under 11, right?

1 Ilgren

2 A. That's correct.

3 Q. So up at the top, for example, where
4 you say "Short 2" and "Long 2," where do you get
5 that 10? Should that be the 11? If we are going
6 to change these numbers?

7 A. No. "Short 2" "Long 2" is from Davis
8 and Jones reference 24. That's their 1988 paper
9 where they exposed the rats to short and long
10 fiber preparations.

11 What I am trying to say here is that
12 the COF25 administered at 7.9, as you pointed out,
13 the correct figure would generate a fiber
14 equivalent of well over 100 fibers per cc, which
15 is listed. You see that in the third column of
16 table 8? Do you?

17 Q. Of greater than 5 microns, correct?

18 A. Yes, which is a very high dose.

19 Q. But, Dr. Ilgren, didn't you state
20 earlier in this same paper and in fact you said it
21 right in the abstract that the Coalinga asbestos
22 was, to use your words, virtually all less than 5
23 microns in length and now here you are saying we
24 have in this table -- in this table now you are
25 saying we have 100 fibers per cc over 5 microns in

1 Ilgren

2 length?

3 A. We are talking about air and water..

4 That's what we are getting involved?

5 Q. Well, no. We are not talking about

6 air and water. We are talking about this

7 Pinkerton data which you told us before was air

8 data?

9 A. Right.

10 Q. That was the 7.9 concentration by

11 mass?

12 A. Right.

13 MR. GERSON: What is your question?

14 Q. And you are saying that works out to

15 over 100 fibers per cc greater than 5 microns?

16 A. As a fiber concentration which he

17 never calculated.

18 Q. Well, apparently you or somebody else

19 has, because you have it listed here in the third

20 column.

21 A. Correct. Chatfield and I, more

22 specifically Chatfield, has calculated that it is

23 over 100, which is thoroughly consistent with the

24 calculation that you've made which was a fiber

25 equivalent of 131 greater than 5.

DOYLE REPORTING, INC. - 212-867-8220

UCAREF00008880

1 Ilgren

2 Q. It is 100 fibers greater than 5
3 microns of Coalinga asbestos, right?

4 A. Right.

5 Q. Now, I am looking at the
6 asbestos-exposed rats, which is the third from the
7 bottom on your table which you get out of a paper
8 reference number 52, right?

9 A. Right.

10 Q. And on that particular chrysotile,
11 you report that there is 111 fibers, I guess, per
12 cubic centimeter greater than 5 microns, is that
13 right?

14 A. That's correct.

15 Q. And that produced 18 and 40 tumors?

16 A. That's correct.

17 Q. And that paper that Davis published
18 that -- that's not your data?

19 A. That's Davis et al., 1986.

20 Q. Now, if 111 fibers per cc greater
21 than 5 microns can produce and that's short
22 chrysotile again?

23 A. Say that again.

24 Q. That is short chrysotil in this Davis
25 paper?

1 Ilgren

2 A. No, that's very long. You can see 90
3 percent over 10 in the second column. That's the
4 wet disperse chrysotil preparation.

5 Q. So 90 percent of that is over 10
6 microns in length?

7 A. Yes.

8 Q. Whereas in the Coalinga, 23 percent
9 is over 10, right?

10 A. Well, you can see that superscript 5.

11 Q. I do see that.

12 A. Within the air that was the
13 measurement that was found.

14 Q. And you claim that they were
15 artificially made longer by a spinning process.
16 Where do you get that from?

17 A. I don't think that's -- should be a
18 spinning process. I think the correct word is a
19 clustering. That was an editorial edition that
20 John who -- we had a discussion about spinning.
21 It is really a clustering, that particular word.

22 Q. So it says spinning, and if the
23 reader like me reads it, I read spinning, but it
24 shouldn't be that, it should be clustering?

25 A. In fact it should be "produced by

1 Ilgren

2 clustering."

3 MR. WILL: Who was the person who
4 put in that word?

5 THE WITNESS: It is not a mistake.
6 The chief editor of the journal and I
7 discussed this, and he wanted to put in
8 spinning, and I said it should be
9 clustering, and he said it should be
10 spinning.

11 Q. Is there any evidence anywhere there
12 that this is spinning fiber?

13 A. It is not spinning in the sense of
14 spinning a yard of textile, it is clustering, and
15 I differed with John about that.

16 MR. GERSON: Is that a difference in
17 semantics? By spinning, did he mean the
18 same thing that you meant by clustering?

19 THE WITNESS: Yes.

20 Q. Of course as we know in the asbestos
21 toxicology field, textile-grade long fiber carries
22 certain connotations, doesn't it?

23 A. Yes.

24 Q. And the word "spinning" is something
25 synonymous with a textile process?

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. So the reader could fairly conclude
that this is some sort of textile-grade long
fiber, when in fact it is nothing but Coalinga?

MR. WILL: I object to the
hypothetical.

Q. Isn't that a fair --

A. I don't like the word "spinning." I
think it should be clustering.

Q. Let's now move down. Now we are in
the discussion on page 272, below table 8.

A. Yes.

Q. And you talk about in discussion
other studies with Coalinga chrysotile, and then
you say, "The investigation by Muhle, et al. is
the only other inhalation study of Coalinga
chrysotile and it too failed to find fibrosis."

That's what you say, right?

A. Yes.

Q. Then you cite your reference 51 to
the Muhle study, but in checking it, I note that
it is really 52?

A. Yes.

Q. So that's a little error there,

Ilgren

1

2 A. Yes.

3 Q. So the reader could fairly conclude
4 that this is some sort of textile-grade long
5 fiber, when in fact it is nothing but Coalinga?

6 MR. WILL: I object to the
7 hypothetical.

8 Q. Isn't that a fair --

9 A. I don't like the word "spinning." I
10 think it should be clustering.

11 Q. Let's now move down. Now we are in
12 the discussion on page 272, below table 8.

13 A. Yes.

14 Q. And you talk about in discussion
15 other studies with Coalinga chrysotile, and then
16 you say, "The investigation by Muhle, et al. is
17 the only other inhalation study of Coalinga
18 chrysotile and it too failed to find fibrosis."

19 That's what you say, right?

20 A. Yes.

21 Q. Then you cite your reference 51 to
22 the Muhle study, but in checking it, I note that
23 it is really 52?

24 A. Yes.

25 Q. So that's a little error there,

1 Ilgren

2 correct?

3 A. Yes.

4 Q. What you are saying is your analysis-
5 of the surviving Coalinga-exposed rats, at least
6 those you were able to grade for fibrosis, you
7 didn't find any, and now you are saying you find
8 support for that in Dr. Muhle's study, correct?

9 A. Yes.

10 Q. I am looking at the Muhle study,
11 which is your reference 52 -- you say 51, but it
12 is 52 -- and I don't see where he reports anything
13 about fibrosis. Do you know where that is in his
14 paper?

15 A. Do you want to give me the paper?

16 Table 7, "Histopathological changes
17 in the lungs of rats exposed to various fibers
18 (inhalation study column heading No. 3 septal
19 thickening) interstitial fibrosis interstitial
20 inflammation."

21 Q. So is that the fibrosis that you are
22 talking about?

23 A. Well, that's what I am making
24 reference to.

25 Q. And that's in table 7?

Ilgren

1

2 correct?

3 A. Yes.

4 Q. What you are saying is your analysis
5 of the surviving Coalinga-exposed rats, at least
6 those you were able to grade for fibrosis, you
7 didn't find any, and now you are saying you find
8 support for that in Dr. Muhle's study, correct?

9 A. Yes.

10 Q. I am looking at the Muhle study,
11 which is your reference 52 -- you say 51, but it
12 is 52 -- and I don't see where he reports anything
13 about fibrosis. Do you know where that is in his
14 paper?

15 A. Do you want to give me the paper?
16 Table 7, "Histopathological changes
17 in the lungs of rats exposed to various fibers
18 (inhalation study column heading No. 3 septal
19 thickening) interstitial fibrosis interstitial
20 inflammation."

21 Q. So is that the fibrosis that you are
22 talking about?

23 A. Well, that's what I am making
24 reference to.

25 Q. And that's in table 7?

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. Of Muhle's paper?

A. Yes.

Q. And where is the Coalinga or Calidria
chrysotile asbestos reported on that table? It
just says chrysotile. Is that the Coalinga?

A. Yes.

Q. Now, what that table reports is that
the septal thickening, which is described as
interstitial fibrosis interstitial inflammation,
is 42 percent in the Coalinga-exposed rats and 24
percent in the controls, right?

A. Yes.

Q. So it is almost doubled?

A. There is two controls.

Q. Well, one control is by the nose?

A. There is actually a sham, so-called
sham control at 11 percent and an untreated
control 24 percent, and I think the more
appropriate comparison is the 11 percent plus the
24 percent, and then there is also another control
missing from that which would be a nonfibrous dust
control. There is two controls at the bottom.

Q. Two different controls. One is what

1 Ilgren

2 is called the sham control where they actually put
3 the nose tube on the rat but didn't make him
4 breathe any dust?

5 A. That's right.

6 Q. And one is the control without
7 treatment, where they just let the rats run around
8 in cages?

9 A. That's right.

10 Q. So there is two different kinds of
11 controls?

12 A. Yes.

13 Q. And they are called in this table --
14 well, for purposes of our questions, can we call
15 them sham control and untreated control?

16 A. Right, that's fine.

17 Q. So Dr. Muhle in his paper, reports
18 that the Coalinga -- Dr. Muhle in his published
19 paper reports that the Coalinga chrysotile exposed
20 rats at 42 percent fibrosis?

21 A. Septal thickening.

22 Q. Well, septal thickening, but you
23 called that fibrosis in your paper, right?

24 A. No, I don't believe so.

25 Q. Well, you said it too failed to find

1 Ilgren

2 fibrosis?

3 A. I said in this investigation,
4 fibrosis was assessed as septal thickening, but
5 Muhle didn't mark it or didn't distinguish the
6 thickening, whether it was due to proper scar
7 tissue or whether it was due to cells, and as I
8 think I also indicated in this or another paper,
9 that we tried to get the slides to discriminate
10 between those, and they were no longer available.
11 But go on.

12 Q. Whatever it was and whatever he
13 called it, he called it septal thickening?

14 A. Right.

15 Q. You saw that as a result --
16 consistent with results you found in the lifetime
17 rats?

18 A. But if you look at page 272,
19 paragraph 2, I have said that the level 42 percent
20 is not different than a combined control of 36
21 percent.

22 Q. Yes, you did say that, and I am just
23 trying to establish, Dr. Ingren, what you wrote.

24 You wrote that Dr. Muhle's results in
25 his rat inhalation study is consistent with these

1 Ilgren

2 conclusions you derive from the lifetime rats,
3 correct?

4 A. Yes.

5 Q. O.K. And you then say, you write
6 that Dr. Muhle failed to find fibrosis, right?
7 That's what you say?

8 A. Yes.

9 Q. And in Dr. Muhle's study, the
10 fibrosis which he reported was this septal
11 thickening, right?

12 A. Yes.

13 MR. WILL: Well, object to the form
14 of the question. He is using the term
15 "septal thickening" as a proxy for
16 fibrosis, but that doesn't mean he's --

17 MR. BROWNSON: Well, I guess I am
18 just reading what Dr. Ingren writes.

19 MR. WILL: He didn't quote it
20 accurately.

21 Q. Fibrosis -- Muhle identified fibrosis
22 as septal thickening, right?

23 A. Quote/unquote.

24 Q. Right?

25 And as we just said a minute ago, in

1 Ilgren

2 Muhle's rats, out of 50 rats exposed to Coalinga
3 chrysotile, 21 or 42 percent had septal thickening
4 or this type of fibrosis, right?

5 A. Well, I wouldn't call it a type of
6 fibrosis. They had septal thickening, and it
7 doesn't differ from the combined controls, absent
8 another needed control.

9 Q. Yes. Before we get to that, though,
10 I am trying to take this a piece at a time. I am
11 just trying to use your language.

12 You are the one, Dr. Ilgren, that
13 says that Muhle's study did not find fibrosis,
14 that's your exact quote, right?

15 A. Yes.

16 Q. And what Muhle was reporting was
17 septal thickening, which as you say is -- that's
18 the thing that you call fibrosis here on page 272,
19 right?

20 MR. WILL: I object to the form.

21 No, that's not what he says. Read the next
22 sentence, Bob. It explains it.

23 MR. BROWNSON: Let me please start
24 over.

25 Q. You say that Muhle's study finds no

1 Ilgren

2 fibrosis caused by Coalinga asbestos, correct?

3 A. It too failed to find fibrosis.

4 Q. Pretty clear?

5 A. But it is clear.

6 Q. And the thing that Muhle found was
7 septal thickening, right?

8 A. Right.

9 Q. That's the so-called fibrosis that
10 you are talking about here, right?

11 A. That's what I am saying. "Fibrosis
12 was assessed as 'septal thickening.'"

13 Q. By Muhle?

14 A. By Muhle.

15 Q. Now, of Muhle's 50 Coalinga
16 chrysotile-exposed rats, 21 of those, or 42
17 percent had the septal thickening, correct?

18 A. Correct.

19 Q. And you say, well, that's the same as
20 the control rats because it is pretty close to the
21 amount found in the control rats, right?

22 A. Right.

23 Q. And, ergo, the Coalinga doesn't cause
24 increased septal thickening, is that a fair
25 conclusion?

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Right.

Q. O.K. Now, if we look at Muhle's control rats, he's got two different types of controls. He's got what we called earlier the sham controls and he's got the without treatment controls, correct?

A. Correct.

Q. And the sham controls he had 55 of those rats, correct? I am just looking at this --

A. O.K., sure.

MR. WILL: Why don't you give him the paper.

MR. BROWNSON: Take a look at it. We only got one there.

A. Right, he's got 55.

Q. And how many septal thickenings did those 55 sham control rats get, 6, right?

A. 6.

Q. 11 percent. Now, you say 12 percent in your paper, but you really mean 11 percent, right?

A. I mean 11 percent.

Q. And it is not 6 of 50, as you say in your paper, it is 6 of 55?

1 Ilgren

2 A. 6 of 55.

3 Q. Now, then he had another 50 rats, 50
4 control rats that were the untreated control rats,
5 correct?

6 A. Correct.

7 Q. And of those 50 untreated control
8 rats, 12 had septal thickening, which is 24
9 percent, O.K.?

10 A. O.K.

11 Q. And what you then say in your paper,
12 Dr. Ilgren, is if you put the two groups of
13 control rats together, the 50 which -- what you
14 call 50 but what is really 55, and the other 50
15 which is 105 --

16 A. Yes.

17 Q. -- and you add those two percentages,
18 what you call 12 but what is really 11 and 24, you
19 get 36, but it should really be 35, right?

20 A. Right.

21 Q. And you are saying that 35 is
22 essentially the same -- 35 percent is essentially
23 the same as 42 percent?

24 A. Right.

25 Q. Now, let me ask you this question,

1 Ilgren

2 Dr. Ilgren.

3 We have got two different groups of
4 control rats. One of them has 11 percent septal
5 thickening and one has 24 percent septal
6 thickening. You add those and say the control
7 rats have 36 percent septal thickening, correct?

8 A. Right.

9 Q. But there is no group of control rats
10 here that has 36 percent septal thickening, is
11 there?

12 A. You just add them together.

13 Q. Well, Dr. Ilgren, if I have two bank
14 accounts and one earns me 24 percent and one earns
15 me 12 percent, I didn't make 36 percent. I made
16 18 percent, didn't I?

17 A. I imagine so.

18 MR. WILL: They are equivalent.

19 A. But the manipulation -- the reason
20 why it is added we -- to my mind, the manipulation
21 in itself would seem to have been able to induce
22 some kind of change. That's why I added them
23 together, and I think what we are seeing here is
24 that there is some kind of age-related increase in
25 septal thickening which is consistent, and I think

1 Ilgren

2 what we are not seeing at all in the Muhle data is
3 the nonspecific nonfibrous dust control which is
4 totally absent. I mean he has included that. He
5 has included that in other studies, things which
6 attempt to account for the specificity of the
7 observation, and that's not here at all.

8 Q. But that's not what you are talking
9 about.

10 Let's look at Muhle's data because
11 that's what you are talking about, O.K. Muhle's
12 controls are 105 total rats?

13 A. Right.

14 Q. 55 shams and 55 untreated, right?

15 A. Right.

16 Q. Those 105 rats had 18 septal
17 thickenings, right?

18 A. Right.

19 MR. GERSON: Can we go off the
20 record for a second?

21 MR. WILL: Why don't we take a
22 break. We have been going for an hour
23 already.

24 MR. GOLDMAN: Let's finish up on
25 this calculation here.

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Muhle has 105 control rats, 18 of them got septal thickening, right?

A. Right.

Q. 18 percent of his control rats, even a little less, got septal thickening, isn't that true?

A. Based on that calculation, that's correct.

Q. That's just straight math?

A. That's straight math.

Q. And 42 percent of his rats exposed to Coalinga chrysotile asbestos got septal thickening, correct?

A. That's correct.

Q. So when you said 36 percent of the control rats had septal thickening, that's a mistake, isn't it?

A. It may be a mistake.

Q. So what we are really comparing is 18 percent control rats with septal thickening versus 42 percent Calidria chrysotile rats with septal thickening?

A. That on the basis of those data adding them together that would appear to be

Ilgren

correct.

Q. And that's over a 100 percent increase, it is over twice as much, isn't it?

A. For whatever that means in terms of the composition of the thickening, it is over 100 percent.

Q. Well, but you --

MR. GERSON: Bob, if you are going to question further on this report, we need to make a copy of it.

MR. BROWNSON: We can do that. I will stop. We can do that.

(Recess taken)

BY MR. BROWNSON:

Q. Now, I am backtracking to page 271 of part 1 of your paper.

MR. WILL: You can't do that. You can only go forward.

Q. I am moving forward to 271 and down to "Age-Related Lesions" in the right-hand column toward the bottom. We talked about this earlier. There were the 22 percent of slides that could not be read. Do you see that?

And you state there that it was 11 of

1 Ilgren

2 50, but I note over on table 2 it indicates it is
3 11 of 51.

4 Do you know which of those is the
5 correct number?

6 A. I believe it is 51.

7 Q. So the notation 11 of 50 on page 271
8 is an error that should be 11 of 51?

9 A. I believe so.

10 Q. Now, I would like to move on to part
11 2 of the paper, which is entitled "Coalinga
12 Fibre - a Short Amphibole-Free Chrysotile," part 2
13 "Evidence for lack of tumourigenic activity,"
14 right?

15 A. Yes.

16 Q. And again this is your review of the
17 slides and other surviving materials from the
18 archive of the old Pinkerton rat experiments,
19 right?

20 A. Yes.

21 Q. The same data really we had in part
22 1 -- part 1, 2 and 3, we are working off the same
23 data, so I don't have to keep repeating this all
24 the time.

25 A. That's right.

Ilgren

1

2 Q. And again if I can -- I will do this
3 at my peril, but if I can summarize your
4 conclusion here in a nutshell, what you are saying
5 is that the two types of Canadian chrysotile, the
6 UICC/B and the Jeffrey caused tumorigenic
7 responses in the rats, in these lifetime rats, but
8 the Coalinga chrysotile did not. Is that fair to
9 say?

10 A. Above controls, that's correct.

11 Q. So again what you did is you looked
12 at the lifetime control rats, the lifetime
13 Coalinga-exposed rats and the two groups of
14 lifetime Canadian-exposed rats and just kind of
15 compared them, if I can put it in layman's terms?

16 A. Correct.

17 Q. And your conclusion was again in
18 layman's terms, is that the control rats at the
19 end of their life and the Coalinga control rats at
20 the end of their life had about the same number of
21 tumors, and the two Canadian chrysotile-exposed
22 groups of rats at the end of their life had more
23 tumors, is that right?

24 A. That's correct.

25 Q. Now, the basis of these conclusions,

1 Ilgren

2 as I understand your paper, was again from the
3 archive material, you looked at the slides and the
4 autopsy reports and such documentation and
5 determined which of those rats died of tumors or
6 which had tumors and which didn't, is that right?

7 A. Yes, that's basically correct.

8 Q. Now, again, was this an analysis or
9 an examination by you or by Dr. Wagner or by you
10 and Dr. Wagner or by others?

11 A. It is the same discussion as before.
12 I examined all of them and then I also examined
13 the same subset as the ones we looked at for
14 fibrosis with Dr. Wagner.

15 There had also been for the untreated
16 controls, concurrent controls, life span controls.
17 The concurrent controls and the life span controls
18 and also for the UICC/B, those materials had been
19 reviewed before, and in table 1 it is indicated as
20 such as control 1984 NIEHS or UICC 1984 NIEHS.
21 They are the same animals I looked at.

22 Q. Can we -- let's turn our attention to
23 table 1 of part 2 of your paper. In this table it
24 is a table that you put together, as I understand
25 it?

Ilgren

1

2 A. Yes.

3 Q. This summarizes the tumors, if you
4 will, on these different groups of rats?

5 A. Yes.

6 Q. Now, let's start then with the
7 controls because, as you just noted, you actually
8 list five different kinds of controls, right?

9 A. Yes.

10 Q. And if the 1, 2, 3, 4 one -- 1984
11 NIEHS, that looks like a big group of controls of
12 the 5,400 and some -- 5,740?

13 A. Yes.

14 Q. Or whatever it was. Over 5,000?

15 A. Yes.

16 Q. The control 1998, is that those --
17 that group of lifetime rats that died but were the
18 control group that you then looked at the slides?
19 That's what they are?

20 A. Yes, they are the same animals as the
21 third one down Control 1984 NIEHS, that's the --
22 they are the identical animals. Except they found
23 three tumors and I found two.

24 Q. Now, I guess this is something new to
25 me. Let me ask you this.

DOYLE REPORTING, INC. - 212-867-8220

UCAREF00008903

1 Ilgren

2 I wasn't aware that back in 1984 the
3 NIEHS actually analyzed those lifetime rats,
4 control rats, did they do that?

5 A. That's published in McConnell et al.
6 1984 in the Euro report symposium. It is
7 referenced in this particular paper.

8 Q. Maybe I missed it, but it looks like
9 what you are saying is that the lifetime rats,
10 both the controls and the UICC/B-exposed rats,
11 were examined back in '84?

12 A. Yes, they were.

13 Q. And then you looked at them again?

14 A. Yes, that's right.

15 Q. And in addition to that, you looked
16 at the Jeffrey Canadian chrysotile-exposed rats,
17 you didn't look at the rats, you looked at these
18 slides --

19 A. Right.

20 Q. -- in 1998, and you looked at the
21 Coalinga chrysotile-exposed rat slides in 1998?

22 A. That's correct.

23 Q. And then you summarized in terms of
24 which rats among all these various groups had
25 tumors, you summarized that all in table 1?

1 Ilgren

2 A. That's correct.

3 Q. It is this data from which you derive
4 your conclusion that the tumors amongst the
5 control rats and the Calidria-exposed rats were
6 about the same and then the tumors among the
7 Canadian chrysotile-exposed rats were higher?

8 A. That's correct.

9 Q. Now, let's look at the table -- first
10 of all, if we go through the columns on the
11 left-hand side, is the type of exposure, whether
12 they are control or which type of asbestos they
13 were exposed to, right?

14 A. Yes.

15 Q. Then next we have the sex, male or
16 female?

17 A. Yes.

18 Q. Then the next column is marked "N1,"
19 right?

20 A. Yes.

21 Q. Now, I was looking at the column
22 marked "N1" back in table 2 of part 1 of your
23 paper.

24 A. They should probably read 28 instead
25 of 30.

Ilgren

1

2 Q. Right. That's what I am getting to.
3 There is different numbers in the two, and that's
4 what I am wondering about.

5 A. Yes, yes.

6 Q. So table 1 then in part 2 of the
7 paper for this top group, the 1998 controls should
8 be, you say, 28 instead of 30 for males?

9 A. Yes, the problem was as exemplified
10 in this table 1, for example, if you look at UICC
11 1998 in the data table itself there were 29
12 animals found in the archives so again there is a
13 discrepancy between what one would calculate to be
14 on lifetime test as opposed to what one finds in
15 some of the data tables. But generally N-1 should
16 be 28 in the table 1.

17 Q. Actually what you called N-1 in table
18 2 of part 1 really looks to me to correspond more
19 to what you call N-2 in table 1 part 2 if that
20 makes any sense although there is still a couple
21 different numbers, but is that right?

22 A. Would you just say that again? N-1
23 in --

24 Q. Let me put it a different way in
25 table 2 of part 1 the column you marked N-1 as I

1 Ilgren

2 understood it were the archival rat data that you
3 were able to find?

4 A. That's correct.

5 Q. As opposed to what might have been
6 you know back when?

7 A. That's right.

8 Q. And then if you go to table 1 in part
9 2 it looks to me like what your column marked "N2"
10 is -- that same data that you were able to find
11 and "N1" looks like it is more of the data that
12 should have been, is that a fair --

13 A. That's a fair statement.

14 Q. Is that a fair statement?

15 A. Yes.

16 Q. O.K.

17 A. But you see with respect to
18 determining the presence or absence of tumors as
19 opposed to determining the presence or absence of
20 fibrosis, it is easier to tell whether there is a
21 tumor there; in other words, the leukemia and the
22 autolysis and the other -- the confounders that
23 might make fibrosis difficult are not playing the
24 same sort of effect when you are diagnosing
25 tumors. Is that --

1 Ilgren

2 Q. Well, you anticipated my next
3 question because if we go down and look at the
4 Coalinga data, you examine 27 slides of male rats
5 and 24 of females and it looks like you didn't
6 have that reading problem you had with the
7 fibrosis, that's what you are just saying?

8 A. Right, that's exactly right.

9 Q. So in terms of your part 2 paper
10 where you are determining whether the different
11 types of asbestos were tumourigenic in these rats,
12 you were able to actually read more slides, at
13 least for the Coalinga rats, than you were trying
14 to determine if it was fibrogenic?

15 A. Yes.

16 Q. Now, let's look at the
17 Coalinga-exposed rat slides that you read that are
18 reported in table 1 of part 2, and as we said
19 before, there are 27 males and 24 females,
20 correct?

21 A. Yes.

22 Q. Now, again were these the slides that
23 you looked under the microscope at down at the
24 archive?

25 A. Yes.

Ilgren

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. And this determination then of which of them had tumors and which didn't was made upon what data or what basis? Looking at the slides or autopsy reports or what?

A. The criteria? Well, looking at the slides, applying the criteria of McConnell et al., which would be hyperplasia versus adenoma versus carcinoma in conjunction with the gross description that was principle in the autopsy reports. That would be the basis. Just the traditional way of diagnosing a tumor.

Q. Now, with respect then to the Calidria-exposed rat slides, you found two tumors among the 51 Calidria-exposed rat slides you were able to examine, correct?

A. Yes.

Q. That works out to 7.4 percent tumor rate, right?

A. Yes.

Q. That's what you report here in table 1. Now, if we go up and look --

MR. WILL: Well, he reports 2 out of 27 is 7.4 percent, 2 out of 51 is not --

MR. BROWNSON: You're right.

Ilgren

1

2

Q. Among the males --

3

A. Among the males.

4

Q. -- it is 7.4 percent.

5

Among the females, it is zero?

6

A. It is zero.

7

Q. I must have been adding it.

8

Now, then if we go up and look at the

9

control 1998, we see that among the males it is --

10

A. Well, there is an error. There is

11

again under "Total" there is "2(7.4)" but the

12

exact tumors, again I don't know why there is a

13

zero there, but there should be either 2 adenomas

14

or 2 carcinomas or 1 adenoma or 1 carcinoma.

15

There was no mesothelioma.

16

Q. Before we talk about that, I want to

17

focus on the right-hand column, which is "Total."

18

A. Sure.

19

Q. What we see among the slides of the

20

control lifetime rats that you examined in 1998

21

was that there were two tumors among the males for

22

7.4 percent and none among the females for zero

23

percent?

24

A. Yes.

25

Q. Am I right in saying then that when

1 Ilgren

2 you conclude the Coalinga-exposed rats at about
3 the same rate of tumors as the control rats, you
4 were comparing those two numbers and they are the
5 same?

6 A. Yes.

7 Q. 7.4 percent for the males and zero
8 for the females, right?

9 A. Yes.

10 Q. Now, I wanted to move on to what you
11 just mentioned, but when I go back and look at the
12 other columns that you got there for the control
13 rats, I don't see where those two tumors are.
14 That's my question. Where were those two tumors?

15 A. There is an error here. I have to go
16 back and check the data.

17 MR. WILL: I think if you look at
18 the 1984 NIEHS control, does that tell you
19 where --

20 MR. BROWNSON: That one has three
21 tumors.

22 MR. WILL: Right.

23 A. Well, that has three tumors, but with
24 reference to that specific data set, I have to go
25 and check my -- I have to check my notebook.

Ilgren

1

2 Q. What I would like to focus on now are
3 the slides that you looked at, O.K. So we can't
4 tell from looking at table 1 which of the control
5 slides had tumors other than you just say there
6 were two male tumors someplace?

7 A. We can't tell whether they were both
8 adenomas or whether they were both carcinomas or
9 whether there was one of each. We can't.

10 Q. Do we know in fact that there were
11 two, however?

12 A. Yes.

13 Q. So you are saying that one of those
14 zeroes are. Maybe more than one is an error?

15 A. Yes.

16 Q. There were two tumors, and at 7.4
17 percent that's not the error?

18 A. That's not the error.

19 Q. So the open question then, part 2 of
20 the paper doesn't tell us and your data reported
21 at table 1 doesn't tell us is where were these two
22 control tumors?

23 A. Benign versus malignant, right.

24 Q. Would you admit that that's a fairly
25 important question since we need those two tumors

1 Ilgren

2 to make the controls be the same as the Coalingas?

3 A. In what sense?

4 Q. Well, if, for example, there were no
5 control tumors, then there would be an increase of
6 tumors among the Coalinga, wouldn't there?

7 A. Well, there are two tumors. I don't
8 know whether they were both benign or both
9 malignant.

10 Q. But at least your paper creates some
11 doubt as to where those tumors might be?

12 A. You mean in the class are the ones
13 benign or malignant?

14 Q. Well, what they are at all.

15 A. Like I said, it is either going to be
16 adenoma or carcinoma, and for the purpose of
17 assessing so-called risk in this instance, it is
18 the same, you count them the same.

19 Q. But you will agree with me that your
20 paper provides us no specifics on those tumors?

21 A. On the malignant potential of those
22 two tumors.

23 Q. Right. Then if we look at this
24 column marked BAH, is that what you called the --

25 A. Hyperplasia.

Ilgren

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. You had another term you used a minute ago, pretumors or something, what did you call it?

A. No, I said hyperplasia versus adenoma versus carcinoma.

Q. So BAH, why did you include that column in your reporting here?

A. Because that's what was included in other pages in a standard way.

Q. And that's because that's considered a significant finding. It's not a tumor, but it is a hyperplasia which can be induced by asbestos exposure, for example, correct?

A. As I say, some people would like to see that particular lesion to understand what the level is, right.

Q. And in fact some scientists, many scientists consider that a significant finding in asbestos-exposed animals because it is a marker of exposure and a marker of potential tumors if there is more exposure along the way, is that fair to say?

MR. WILL: I object to the form of the question. I think it is fair to ask

1 Ilgren

2 him if he thinks it is. It is not fair to
3 ask him what a lot of scientists may or may
4 not think without naming them and being
5 specific.

6 A. I don't think it is a tumor,
7 necessarily a tumourigenic lesion, and I think the
8 lesions of concern are the adenomas and the
9 carcinomas. Others may disagree with that.

10 Q. Well, for example, I am looking at
11 this Muhle paper we looked at a moment ago that he
12 published in 1987, and remember, we were talking
13 about the septal thickening a moment ago, but he
14 also has -- in that same table, for example, he
15 reports on the BAH, does he not?

16 A. Yes.

17 Q. And I note that in your references
18 you cite this paper by Oberdorster.

19 A. What about Oberdorster?

20 Q. Actually I am jumping ahead of myself
21 in citing Oberdorster. Forget that paper for a
22 moment. I am going to cite that in a minute.

23 Here is what -- Mr. Goldman has
24 reminded me if we turn to page 24 of your paper in
25 the second column called "Other Studies with

1 Ilgren

2 Coalinga Chrysotile," about halfway down or
3 two-thirds of the way down in the column, you have
4 the sentence, "However, Muhle et al. have
5 countered" -- do you see that?

6 A. Yes.

7 Q. And I will read it, "However, Muhle,
8 et al. have countered this by stating that 'the
9 high rate of bronchiolar alveolar hyperplasia of
10 74 percent, one case of squamous metaplasia and
11 one adenocarcinoma may indicate a tendency of the
12 crocidolite fibres used to induce neoplasms.'"

13 Do you see that?

14 A. Yes.

15 Q. Again at my peril, I will try to
16 summarize this, but in Muhle's paper there was a
17 finding where not only did the Coalinga asbestos
18 not produce tumors but neither did crocidolite
19 that he dosed these rats with?

20 A. Right.

21 Q. And Muhle in that paper talks about,
22 and you talk about in your paper the fact that --
23 the fact that there was BAH present among the
24 crocidolite-exposed rats, indicates a tendency of
25 the crocidolite fibers to induce neoplasms; right?

Ilgren

1

2

A. Right.

3

4

MR. WILL: Just to correct something, you stated earlier, Mr.

5

6

Brownson, Muhle's paper does report one tumor with a crocidolite. You said it didn't produce any.

7

8

9

MR. BROWNSON: O.K. I stand corrected.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Q. But in any event, what Muhle is saying there and from what you quote from him and say in your own paper is that the BAH in that instance indicates a tendency of the crocidolite fibers to produce neoplasm?

A. At 74 percent it is suggested.

Q. Now, again if we turn to table 1, part 2 of your paper, I note that none of the lifetime control rats whose slides you examined had BAH, right, neither male nor female, but of the Coalinga-exposed rats 3 males and 3 females for a total of 6 had BAH, correct?

A. Right.

Q. So would you agree with me that there is more BAH or bronchiolar adenomatous hyperplasia among the Coalinga-exposed rats than there are at

1 Ilgren

2 the control rats at lifetime?

3 A. Yes.

4 Q. So at least as to that thing, there
5 is a measurable increase, there is 6 versus zero?

6 A. Right.

7 Q. Now, again I am in table 1 here of
8 page 2 of your paper, and as you noted earlier,
9 there are a number of different control rats
10 described. And I am not looking at what I call
11 the big control group which is this 1984 IEHS
12 group of some 5,000 rats.

13 A. Right.

14 Q. I see that out of -- and these are
15 rats who were never exposed to any asbestos,
16 right? We talked about this earlier, right?
17 That's the big control group?

18 A. Right.

19 Q. I see that among that large control
20 group, out of 2,320 male rats, there were 60
21 tumors?

22 A. Right.

23 Q. And you have calculated that to be
24 2.7 percent, right?

25 A. Right.

1 Ilgren

2 Q. I also note that of the females, out
3 of 2,320 rats, there were 28 tumors, right?

4 A. Right.

5 Q. You calculated that to be 1.3,
6 although when I calculated, I only got 1.2?

7 A. Right.

8 Q. Be that as it may, there is 1.2 or 3
9 among the females, O.K.?

10 A. O.K.

11 Q. Total tumor rate then among all of
12 those control rats in this big group of over 5,000
13 controls again would be the average of 2.7 and
14 1.3, and I didn't do that exact math but it is
15 about 2 percent?

16 A. Right.

17 Q. And the tumor rate then among the
18 Coalinga-exposed rats at lifetime, which was 7.4
19 of the males and zero among the females, and I
20 didn't do the exact math but it is about 3.7
21 percent, correct?

22 A. Right.

23 Q. And you would agree with me that
24 that's about a 50 percent increase or difference
25 over a percentage in the big group of controls?

1 Ilgren

2 MR. WILL: No, it is a 25 percent
3 decrease, not a 50 percent increase.

4 MR. BROWNSON: 2 to 3-1/2.

5 MR. WILL: It just depends on the
6 way you do the math, whether you take the
7 percentage --

8 A. I mean I think the analysis you have
9 just done -- you're analyzing the number of tumors
10 that appear in the life span controls and the
11 historical controls, and you are working out
12 percentages?

13 Q. Right.

14 A. And the former analysis of the very
15 same concurrent control group done and kept under
16 the same identical conditions at the same time,
17 which is the third entry down on table 1, "Control
18 1984 NIEHS" found up to 10 percent -- they report
19 10 percent tumors in the males and none in the
20 females.

21 And I think the more appropriate
22 control is to compare the concurrent control from
23 the same study as reviewed by McConnell and others
24 with what we have here both for the control group
25 and the Coalinga group, I mean the life span and

1 Ilgren

2 the historical groups are done at different times,
3 different periods under different conditions and
4 different lapse, and we know that they can have --
5 they can have an effect.

6 So I would say the more appropriate
7 control comparison, if you are looking for another
8 control is to compare the third group down with
9 the findings that we made in this study for
10 controls and to compare against Coalinga so --
11 does that make sense to you?

12 Q. Yes. The most important comparison
13 of course are the ones you highlighted in boldface
14 type when we are looking at the Coalinga are the
15 identical lifetime rats, control rats, Coalinga
16 rats, UICC and Jeffrey rats?

17 A. Well, the identical -- and again the
18 identical group is also the third entry down, this
19 one here I am indicating.

20 MR. WILL: The 1984 NIEHS control
21 group.

22 A. That's the same group.

23 Q. Well, that came out of the same 400.

24 MR. WILL: No, it's the same rats.

25 A. No, it is the exact same animals, the

Ilgren

1

2 exact same slides. I am sorry it is so confusing.

3 They are the exact same animals. They are the

4 exact same slides. The only difference being for

5 the control 1998, Chris Wagner and I looked at

6 them.

7 Q. You saw two tumors and they saw

8 three?

9 A. Exactly. And for the control 1984,

10 Dr. McConnell and I think one other pathologist

11 looked at them and they saw three tumors, but they

12 are the exact same slides, same animals, exact

13 same everything.

14 Q. Well, let me ask you this. What you

15 are saying then is you take those same lifetime

16 control rats and when you looked at the slides in

17 1998, you found two tumors, and back in 1984, Dr.

18 McConnell and others found three, right?

19 A. Right.

20 Q. And when you then looked at the

21 Coalinga slides in 1998, you found two tumors but

22 we don't know what they would have found because

23 they never looked at those slides?

24 A. Right.

25 Q. O.K. So in terms of the closest

Ilgren

1
2 comparison being the same rats, the same slides of
3 those dead rats and the same reader, you, it would
4 be the 1998 controls versus the 1998 Coalingas?

5 Do you agree with me on that? As
6 luck would have it, both turn out to be 7.4
7 percent?

8 A. Without the variable readers, is that
9 what you are saying?

10 Q. Yes.

11 A. Sure.

12 Q. What I understand you are telling me
13 is the next closest comparison would be these 1984
14 controls because it is the same rats but somebody
15 else is looking at the slides, right?

16 A. Correct.

17 Q. Then after that, would the next
18 closest comparison be the big rat group that are
19 different rats, bigger group, different people
20 looking at them?

21 A. Correct.

22 Q. And you must have thought that to be
23 of some significance because you include it here
24 in your table?

25 A. Well, I mean I think it is of

Ilgren

1 importance that this is just a data set that was
2 generated by the NIEHS at the same time, not
3 necessarily in the same place, by the same people.
4 I just thought it would be of interest to the
5 readership to see that there.

6
7 Q. But again you had told us a little
8 bit earlier today that it was in fact done in
9 connection with this particular study because
10 remember, I asked if that was a general thing that
11 had nothing to do with this, and you said no, it
12 was this big control group tied into this study
13 and that study was in England?

14 A. But again one is historical controls
15 and the other is concurrent controls.

16 Q. Now, I notice again if we go back to
17 the BAH column that the Coalinga rats when you
18 read their slides had the highest level BAH of any
19 of the different asbestos types or the controls
20 for that matter, is that correct?

21 A. Well, there is more as absolute
22 numbers, but whether there is an actual higher
23 percentage remains to be seen, if you understand
24 what I mean.

25 Q. I do understand what you mean. There

1 Ilgren

2 were six among the Coalingas and five among the
3 UICC, but the percentage may be a little
4 different?

5 A. Right.

6 Q. But in any event, at least for that
7 problem of the longs, the Coalinga is not or the
8 Canadian chrysotiles are not worse than the
9 Coalinga, are they?

10 A. No, it doesn't appear to be.

11 Q. How would we be able to find out, we
12 or you or anybody, how would anyone be able to
13 find out where these two control tumors are?

14 A. I have them in my notebook in the
15 office. I can -- it is not -- I will check those.
16 I have those data.

17 Q. You have those data somewhere in a
18 notebook?

19 A. Yes.

20 Q. How about the slides themselves, are
21 they sitting down in that archive?

22 A. Yes.

23 Q. And if a layman like me stumbled into
24 that archive and looked for them, are they
25 organized in such a fashion that you could find

1 Ilgren

2 them or would it take a skilled person to try to
3 figure out which were which?

4 A. I think you have to apply, you know,
5 for permission to review, but they will retrieve
6 the slides for you.

7 Q. But assuming you applied for
8 permission and they said yes, Brownson, we will
9 let you look at them, would they be available to
10 look at anyway, as far as you know anyway?

11 A. Yes, sure.

12 Q. As far as you know, they haven't
13 thrown them away or anything since that time?

14 A. As far as my knowledge.

15 Q. As far as you know, are you the only
16 person that ever looked at those archives or did
17 you get the impression or find out that other
18 people had been examining these?

19 A. They said they were lost.

20 Q. But then they found them in the
21 archives, right?

22 A. Yes.

23 Q. And were you able to form any
24 impression one way or another if you were the
25 first person to, you know, come across these or

1 Ilgren

2 had other people examine them, do you know?

3 MR. WILL: You mean since whoever
4 looked at them originally?

5 MR. BROWNSON: Sure.

6 A. I think originally Dr. McConnell and
7 Dr. Boorman had reviewed those.

8 Q. Back at the time of the study?

9 A. Back in 1981.

10 Q. I guess my question isn't very clear.

11 Were you able to get any impression
12 from the people down in the archives whether since
13 the time those things -- you know the study was
14 over and the slides were put away in an archive,
15 did you get any impression if you were the first
16 person then that had come down and looked at the
17 archive or did you find out or seem to think that
18 other people have done that over the years?

19 A. My impression is that subsequent to
20 McConnell and Boorman looking at them that no one
21 had ever looked, but I can't be sure.

22 Q. You don't know for sure?

23 A. I don't know for sure.

24 Q. Now, when you went to this archive,
25 did they allow you to look at this material

1 Ilgren

2 voluntarily or did you have to pry it out of them,
3 was it --

4 A. Pry it out of them?

5 Q. Well, when you asked to look at it,
6 did they say, "Sure, go ahead and look at it," or
7 did they give you a hard time? This is what I am
8 wondering.

9 A. I don't understand. I mean, one
10 person's hard time is another --

11 Q. Did you have to make a Freedom of
12 Information Act request to get at this stuff or
13 did they voluntarily let you look at it?

14 A. Once they found it, I was free to
15 look at it.

16 Q. But when you made your first request
17 to look at it before they found it, did they say,
18 "O.K., we will go find this for you, Dr. Ilgren,"
19 or did you have to take forceful steps to make
20 them look for it?

21 A. Well, in 1992, I had asked Dr.
22 McConnell where they were, and he said the
23 archives.

24 And then I said, "Would you check and
25 see if they are in the archives?"

1 Ilgren

2 And he said he checked and they
3 weren't in the archives.

4 And so after discussions with various
5 other people who questioned the fact that they --
6 I mean -- they questioned this idea that they may
7 not be in the archives. I called quite a number
8 of people at the NTP and requested that they
9 search again, and I suppose over a several-year
10 period, they ultimately found these materials, but
11 once they were found, it was just a question of
12 writing them a letter and asking in a line or two
13 would I have the permission to look at these
14 materials.

15 Does that answer your question?

16 Q. Did they say yes, come on and look at
17 them?

18 A. Sure.

19 Q. So you had to call a number of people
20 and kind of get after them to get them to look for
21 them and find them, but once they found them, you
22 had no trouble going and looking at them?

23 A. No, not at all.

24 Q. Now, I am continuing in --

25 MR. WILL: They were back in the

1 Ilgren

2 warehouse next to the box for Raiders of
3 the Lost Ark.

4 MR. BROWNSON: We don't have to be
5 on the record here.

6 (Discussion off the record)

7 MR. BROWNSON: Let's go back.

8 BY MR. BROWNSON:

9 Q. Again in part 2 of your paper, I am
10 now looking at tables 2-A and 2-B at pages 20 and
11 21. And it looks like what you have done here is
12 you have set out some big tables for the
13 UICC/B-treated lifetime rats and the
14 Jeffrey-treated lifetime rats where you go through
15 every single rat and tell what kind of tumor they
16 have, et cetera, right?

17 A. Right.

18 Q. For both males and females?

19 A. Right.

20 Q. Now, my question is and I think this
21 is of great interest to all of this, why isn't
22 there a table like this for the Coalinga-treated
23 rats?

24 A. There was no significant fibrosis,
25 and there was no significant tumor response.

Ilgren

1

2

3

Q. Well, there were two tumors among the males?

4

A. But I consider that to be significant increase over controls, so I didn't include it in a detailed table. I mean all you would have seen for fibrosis scores is a bunch of 1's and 2's and the odd 3, and for primary tumor you would see none straight down the page except for two entries, and then there was some extrapulmonary, there was some pancreatic -- I can't remember exactly what the extrapulmonary tumors, but there were a few extra.

14

Q. Would you agree with me that it would be helpful for the reader of this paper since the purpose of the paper is to compare the tumourigenesis of the three types of asbestos if you were to set out all of the rats and all of the tumors for the three types of asbestos?

20

A. No, because as I just said, the editor wouldn't have let it in.

22

Q. Is that why it is not in here because the editor wouldn't let you put it in?

24

A. I can't remember what the exact discussion was. He may have said to me, "For

25

Ilgren

1
2 space reasons unless there are positive findings,
3 don't put it in," but I mean there just weren't a
4 significant number of positive findings to include
5 them in the paper.

6 Q. Well, O.K. It would have told us,
7 for example, what those two particular tumors were
8 that were found in those male rats, we would have
9 had that data?

10 A. Well, that you could just say, I mean
11 that should have been in table -- in table 1.

12 Q. But it is not in table 1. All it
13 just says is two tumors. It doesn't give us all
14 this detail that you give us in 2-A and 2-B?

15 A. But that's an error. But they should
16 have been included in table 1 but there was no
17 reason why if you could simply convey that
18 information in table 1 why you had to generate,
19 say, a table 2-C or a table 3 to indicate all of
20 that.

21 Q. O.K. Well, you also have a column in
22 both table 2-A and 2-B for the two Canadian
23 asbestos for extrapulmonary tumors, and we have no
24 data one way or another on the Coalinga-exposed
25 rats or extrapulmonary tumors anywhere in the

Ilgren

1

2 paper, do we?

3

A. There might be a description in
4 the -- in another part of the page, but I don't
5 think so.

6

Q. But there isn't, though, is there?

7

A. I don't recall, but if you say so, I
8 will take your word for it.

9

Q. So if I can summarize what 2-A and
10 2-B show us with respect to the rats dosed with
11 the two types of Canadian chrysotile, you go
12 through every single rat and you tell us what
13 their fibrosis score is. If they have a primary
14 tumor, what that is, if they have a secondary
15 tumor, what that is, and if they have an
16 extrapulmonary tumor, what that is, right?

17

A. Right.

18

Q. We don't get that sort of data for
19 the Coalinga-exposed rats?

20

A. I didn't think it was necessary since
21 the findings were very clearcut.

22

Q. Let me ask you this question. With
23 respect, for example, to the male Coalinga-exposed
24 rats, there is only 27 of them, right, in your
25 table 1?

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Right.

Q. And of those 27 rats, if you count the BAH and the tumors which we don't know what they are, that's 5, 5 out of 27 is almost 20 percent. Are you saying that's not significant?

A. Yes. Because I do not -- I am not counting the hyperplasias as tumors. I don't see anybody else who would count that.

Q. Yet in table 2-A you are reporting the hyperplasias?

A. In brackets but not as tumors.

Q. But it is data that is provided to the reader and it is data that you saw fit to provide to the reader with respect to the Canadian asbestos, right?

A. But it is also provided here for the Coalinga. You are saying basically that I need to put an extra table in for Coalinga to lay out all the extrapulmonary tumors, to lay out everything else.

Q. I am not saying anything what you have to do. I am saying you did it with the Canadian asbestos but you didn't do it with the Coalinga.

1 Ilgren

2 A. I have not attributed nearly as much
3 significance to the BAH's as to the clearcut
4 increase in the primary tumors in the
5 Canadian-treated animals.

6 Q. But you have to admit, Dr. Ingren, if
7 the careful reader here wanted to compare this,
8 the tumors and the other problems in the
9 Coalinga-exposed animals, as small as they might
10 be, with the tumors and the other problems in the
11 Canadian-exposed animals, that careful reader
12 can't do it because you just give the data for the
13 Canadian. You don't give the data for the
14 Coalinga?

15 A. The careful reader --

16 MR. WILL: The answer is no, you
17 didn't give the other data.

18 A. But I don't think that's the answer.
19 I think the answer is that the careful reader has
20 been asked if they wanted additional data to apply
21 to the authors, it is not necessarily for this
22 item but -- and I can't remember whether it is in
23 part 1 or part 2, but there are certain places
24 where we say data not included, the authors will
25 provide the data, and I think if someone wants

1 Ilgren

2 that information, I am perfectly happy to tell
3 them which animals --

4 Q. You have such a table?

5 A. With -- in my raw files?

6 Q. Yes.

7 A. Probably, sure.

8 Q. What lethal nonpulmonary tumor did
9 female rat number 11 in table 2-A get?

10 A. Lethal -- oh, one couldn't tell
11 because N/A means not available. They said in the
12 autopsy description that the animal was largely
13 cannibalized. That was the only one.

14 Q. Where does it say N/A?

15 A. Are you looking at table 2-A?

16 Q. 2-A females?

17 A. You are looking at female number 2.

18 Q. No, number 11.

19 A. Oh, 11, I'm sorry. And what's the
20 question?

21 Q. What was that thing?

22 A. In terms of a primary tumor or in
23 terms of what was what thing?

24 Q. Well, you have got a question mark in
25 parenthesis and two exclamation points. I am

1 Ilgren

2 wondering what that is. It is either some
3 horrible tumor that is too bad to mention or it
4 is --

5 A. Oh, I see what that was. I just
6 wanted to indicate that when you looked at the
7 autopsy report, Mr. Brownson, there was an actual
8 page for each animal. There was an autopsy report
9 which gave the findings and all the details, and
10 it said in the gross description that there was a
11 lung tumor seen grossly, but when I looked at the
12 actual slides, I couldn't see it, so that's that
13 strange notation there.

14 Q. Going back to table 2-A and 2-B?

15 A. Yes.

16 Q. The heading of each of those two
17 tables where we -- where you lay out all these
18 tumors for the Canadian asbestos, it says
19 "Nonpulmonary neoplasia."

20 Are there also pulmonary tumors
21 reported someplace?

22 A. Hang on. I'm sorry, go back to --

23 Q. In both tables 2-A and 2-B, pages 20
24 and 21 --

25 A. Oh, what I am trying to say there is

Ilgren

1
2 that the table 1 is supposed to talk about just
3 pulmonary neoplasia.

4 Table 2 is supposed to also indicate
5 it should actually be after the Coalinga.

6 Do you see that on a "Lifetime Test:"
7 And the heading, it should be "Pulmonary and
8 Nonpulmonary Neoplasia."

9 Q. So that's just an error?

10 A. Yes.

11 Q. But again I don't want to beat a dead
12 horse on this. We have no data here as to the
13 nonpulmonary neoplasias anyplace, whether in table
14 1 or in table 2-A or 2-B with respect to the
15 Coalinga-exposed rats.

16 A. Not in this paper, no.

17 Q. Now, I am looking at table 2-B. I
18 note that, for example, with respect to the male
19 rats, it says there are 8.3 percent probably
20 lethal nonpulmonary tumors, do you see that?

21 A. I beg your pardon?

22 Q. Table 2-B?

23 A. I see that. 8.3 percent.

24 Q. Those are the nonpulmonary tumors?

25 A. 8.3 percent, 2/24 probably lethal

1 Ilgren

2 nonpulmonary tumors. What is your question on
3 that?

4 Q. I am just saying that's what it says
5 there.

6 A. That's what it says there.

7 Q. First of all, were these tables put
8 together by you or were these again taken out of
9 some --

10 A. No, I put these together.

11 Q. Why did you write that there? Why
12 did you write probably lethal nonpulmonary tumors?
13 I mean what significance does that have?

14 A. I have to go back to the text to
15 refresh my memory on this point. I think that
16 discussion has to do with competing causes of
17 death in trying to understand a bit more fully why
18 the lung tumor incidence of the females appeared
19 to be much more lower than the males. Are you
20 with me on that?

21 Q. O.K.

22 A. And the -- well, for example, look in
23 table 2-B, if you look under female No. 13, female
24 No. 14, female No. 18 or the female No. 25, you
25 had these huge, often metastatic tumors which

Ilgren

1
2 clearly were lethal, and I was just trying to
3 understand what, you know -- whether the females
4 were developing these tumors earlier and that that
5 was causing a reduction in survival as opposed to
6 the asbestos.

7 Does that make any sense to you?

8 Q. Well, I guess it does, but again we
9 don't have that sort of information with respect
10 to the Coalinga-exposed rats, do we, anywhere in
11 these papers?

12 A. No.

13 Q. Now, I am going to results, which is
14 at page 22.

15 A. All right.

16 Q. And again we are talking about --
17 you're talking about the incidence of primary
18 pulmonary tumors in the Coalinga-exposed rats
19 whose slides you examined. You had 51 rats. You
20 examined for tumors for -- slides of rats you
21 examined for tumors, right?

22 A. Right.

23 Q. And you had two tumors and you
24 calculated that out to be 3.9 percent?

25 A. Right.

1 Ilgren

2 Q. Now, earlier back in paper No. 1 at
3 table 8 remember, we noted in that right-hand
4 column, you had noted two tumors out of 90
5 Coalinga-exposed rats, and my question is did you
6 examine the slides of 51 rats or 90 rats for
7 tumors?

8 A. No, 51 rats.

9 Q. So again, going back to part 1, that
10 is an error. That should be changed to 2 of 51
11 and 2 of 90?

12 A. No, the data presented -- you are
13 talking about paper 1, table 8.

14 Q. Right, table 8.

15 A. It should be 80 as opposed to 90,
16 just to standardize that with the rest of the
17 studies that were under comparison. Table 8,
18 "Summary of Selected Inhalation Bioassays," is
19 that what you are talking about?

20 Q. Yes.

21 A. The studies which are respective to
22 short, long, chrysotile, et cetera, are the
23 studies of John Davis, and in those studies the
24 denominator or 40 is the number of animals which
25 he actually started out with in his original

Ilgren

1
2 group, and so I would say that the number, the
3 comparable starting number, so to speak, in this
4 instance, I guess would be 80.

5 Q. But the question is was it 80? In
6 other words, you didn't examine 80, the slides of
7 80 dead rats to see if they had tumors. You only
8 examined the slides of 51 dead rats to see if they
9 had tumors?

10 A. I don't know what the comparable
11 number would be for the denominators in the Davis
12 study? In other words, he probably examined less
13 than 40 as well. Do you understand?

14 Q. I guess I understand what you are
15 saying, but you don't know what he examined or
16 didn't examine?

17 A. I would have to go back to the
18 original papers.

19 Q. But we do know what you actually
20 examined and you examined the slides of 51 dead
21 rats for tumors?

22 A. Right.

23 Q. Now, under your results again on page
24 22.

25 MR. WILL: Excuse me one second.

1 Ilgren

2 (Counsel confers with witness.)

3 MR. WILL: Go ahead.

4 Q. I am now looking at the results, page
5 22?

6 A. O.K.

7 Q. And you say there in the second
8 paragraph, "The 2 Coalinga-treated tumor-bearing
9 animals had fibrosis scores of 3.0 which were
10 probably overestimates due to concomitant uraemic
11 pneumonitis and leukaemic infiltration."

12 Do you see that?

13 A. Yes.

14 Q. Again, unless I am missing something,
15 I don't see the data in a paper where we can see
16 that. Is that presented somewhere?

17 A. Just in my notes.

18 Q. If there had been a table 2-C showing
19 the Coalinga-exposed rats, we would have seen that
20 sort of data on the table?

21 A. No.

22 Q. Well, the table has the fibrosis
23 scores?

24 A. Well, the fibrosis score can be put
25 in text, but you wouldn't have seen the

1 Ilgren

2 information about uraemic pneumonitis, for
3 example.

4 Q. But we could have determined what the
5 fibrosis scores were of the two tumor-bearing
6 animals and we could look at all the other
7 fibrosis scores and we could see that, couldn't
8 we?

9 A. Well --

10 Q. And we could see something about the
11 leukemic infiltration since that is a nonpulmonary
12 tumor of the sort of thing you described in tables
13 2-A and B, couldn't we?

14 A. If I felt it was important, you know,
15 I would have put it in. I didn't feel it was a
16 major confounder. I mean I just --

17 Q. Well, then why do you say it was a
18 confounder? You say it was an overestimate?

19 A. Well, a confounder in the sense that
20 it didn't prevent one from scoring. It wasn't the
21 kind of extensive pneumonitis or infiltration that
22 precluded scoring. I mean this was not the sort
23 of thing that one would put down as prevent slides
24 from being read. This was just something that was
25 also there, and I just wanted to tell the reader

Ilgren

1
2 that the additional cellularity due to the
3 pneumonia and due to the leukemia probably
4 increased the so-called fibrosis score.

5 You see the fibrosis score of 3. Do
6 you know the scoring system for the Wagner grade?
7 Do you know the scoring system?

8 Q. I have read it?

9 A. Well, there is eight grades, but the
10 first three are actually cellular grades. 4, 5,
11 6, 7 and 8 are so-called fibrosis, so a so-called
12 fibrosis score of 3 really just denotes increased
13 cellularity and the pneumonitis increases cells
14 and the leukemia increases cells. It doesn't --
15 it doesn't causes fibrosis per se. It just adds
16 additional cells so I am --

17 Q. O.K.

18 A. O.K. So I am saying that you have an
19 additional level of cellularity on top of -- on
20 top of this lung which was clearly due to leukemia
21 and the uraemic edema and the pneumonitis.

22 Q. It is also true that some of the
23 Canadian chrysotile asbestos-exposed rats had some
24 of this additional cellularity caused by several
25 things as well which could have raised their

Ilgren

1
2 fibrosis cells?

3 A. We are perhaps talking at cross
4 purposes here. That's why I asked you if you knew
5 the grading system.

6 Q. Let me ask that question.

7 Isn't it true that some of those rats
8 also had these -- things that you describe here in
9 these two Coalinga rats?

10 A. Yes. The point I am trying to make
11 here, if you look at tables 2-A and 2-B, you are
12 dealing with so-called scores -- let's put the
13 word "fibrosis" aside for one moment -- so-called
14 scores of 5 and above, and almost every single
15 instance where a score can be assigned, and so it
16 is not a question of whether additional cells is
17 going to increase the score as such. It is a
18 totally different situation. Does that -- is that
19 clear?

20 Q. I am not sure it is clear.

21 MR. WILL: Additional cells doesn't
22 affect 4, 5 and 6 scores, is that right?

23 A. You go from minimal to moderate to
24 moderately severe cellularity with respect to
25 Grades 1, 2 and 3.

1 Ilgren

2 For Grade 4, you get what is called
3 cuboidalization of the alveolae.

4 For Grade 5, you get an increased
5 collagen definition.

6 For Grade 6, you get a linking of the
7 lovules.

8 And for 7 and 8, you get a massive
9 laying down of fibrosis.

10 But Grades 4, 5, 6 and 7 don't entail
11 in the definition of those scoring grades an
12 increase in cells. O.K.

13 Q. O.K.

14 A. I know it is difficult conceptually
15 to visualize this, but it is the clearest
16 explanation I can give.

17 Q. Let's continue then with the data you
18 then are presenting, is the tumor rates and the
19 number of tumors in the surviving rats from the
20 experiments whose slides you looked at, right, the
21 lifetime surviving rats?

22 A. Right.

23 Q. Because then you go on to say in your
24 results, and I am now reading over on the
25 right-hand column on page 22, "The incidence of

1 Ilgren

2 tumors in the interim sacrifice animals could not
3 be determined precisely since some of the records
4 were missing."

5 A. Well, the 3 and 12-month animal lungs
6 were not available for review. They were lost.
7 We couldn't find them.

8 The 24-month animals for controls and
9 for the UICC/B, if I have this right, had already
10 been reported. Then there was some other
11 statement, I believe, in Kent Pinkerton's thesis
12 about tumors in the 24-month interim animals.

13 Q. How about the 3 and 12-month animals?

14 A. There has been no -- well, if you
15 look at McConnell et al. 1984, he basically says
16 that tumor incidence or neoplasia is very low
17 before 24 months. But there is no -- there is
18 no -- there is no -- the answer to your question
19 is there is no data on the 3 and 12-month.

20 Q. So you're speculating that there is
21 probably no more than one tumor in the
22 Coalinga-exposed rats who were killed as part of
23 the original experiment, but you don't know that
24 for sure?

25 A. I am just reading my sentence here.

1 Ilgren

2 I just want to see what I am referring to here in
3 reference 19 and 20.

4 MR. WILL: I just want to object to
5 the form of the question, to the use of the
6 word "speculate." His report says the
7 available data suggests, and I think it
8 strongly suggests and I think that is
9 different than speculating.

10 A. I need to go back. I can't recall --
11 if you look on page 30 under "References," there
12 is 19 and 20, I can tell you that from the thesis
13 Kent Pinkerton said that no more than 0.1 percent
14 of the Coalinga-treated animals had any tumor
15 tissue in the lung among the ones he saw, and he
16 wouldn't have been able to say whether they were
17 primary or secondary, plus he included under the
18 definition of tumor he had metaplasia, and
19 neoplasia, do you understand?

20 So it is unclear what he was talking
21 about.

22 As far as reference 20, I would have
23 to go back and see. You know, I just don't recall
24 what I am referring to when I say the available
25 data strongly suggests an absence of tumor. I

Ilgren

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

just don't recall.

Q. But you are willing to put out in the text of your paper that it was a strong suggestion, even though you don't know exactly what it is?

A. I just can't remember sitting here today. I can't recall.

Q. And I guess you couldn't recall when you wrote the paper either because you said you couldn't tell precisely, but you didn't think it was more than one?

A. Yeah, but I can't establish sitting here today, or I can't recall what was in the NTP documents that made me, you know, come to that conclusion, so I just have to check that.

Q. Now, you make an interesting statement on page 24 under the heading "Other Studies with Coalinga Chrysotile."

You are talking about this Muhle paper again. You say, "These workers chose Coalinga as their 'positive' control since they were unable to obtain sufficient amounts of Canadian chrysotile for a bioassay"?

A. That's correct.

1 Ilgren

2 Q. Is that what -- and then you say
3 that's from a personal communication in 1988?

4 A. That's correct.

5 Q. Is that what Muhle actually said,
6 that he couldn't get Canadian chrysotile?

7 A. He said he couldn't at that time get
8 the several-kilogram amount he needed to do the
9 inhalation. He could do enough to do the
10 injection, which is why he contacted NIOSH and
11 asked them if he could get the other material.

12 Q. Now, I am now turning to page 25.
13 Let's go back to page 23 of part 2 of the paper.
14 At the top of 23 is table 4.

15 MR. GERSON: On top of what page?

16 MR. BROWNSON: 23.

17 A. I just lost 23 for some reason. O.K.
18 I have got it.

19 Q. And without quoting that title in
20 detail, basically what you are reporting is
21 changes in cell volume among the different rats,
22 exposed to the different types of asbestos,
23 correct?

24 A. And number, yes.

25 Q. And this is a table you got out of

1 Ilgren

2 Pinkerton's data, this is not your data?

3 A. Right, and the other sources here.

4 But that's correct.

5 Q. And if you look down in the text on
6 page 23 where you are talking about that increases
7 in cell volume, and I am looking now in the
8 right-hand column, you are making these different
9 comparisons with fferent types and you are talking
10 about, among other things, the Jeffrey asbestos
11 increasing cell volume, right?

12 A. Just remind me again where you are in
13 the text, is it over on page --

14 Q. 23, in the second column.

15 A. O.K., right. I got it.

16 Q. When I look at table 4, I don't see
17 anything above Jeffrey asbestos. Where is that?

18 A. That's another when they did the
19 editorial -- well, there has been an editorial
20 deletion of the Jeffrey data column here, and they
21 are going to publish that as an erratum, so the
22 Jeffrey had not been included in here.

23 MR. WILL: That was sent to the
24 paper.

25 A. That was sent to the paper, and they

1 Ilgren

2 put the table vertical instead of horizontal and
3 deleted it.

4 Q. Didn't you catch that when you read
5 the galleys?

6 A. Well, it didn't come back that way.
7 Where is page 22? You see page 22?

8 Q. So when it came back up to you, the
9 Jeffrey was in there but when they published it,
10 it got cut off?

11 A. Right. You see how they have
12 arranged the header on table 3?

13 Q. Yes.

14 A. And you see how they have arranged it
15 in table 4?

16 Q. Yes.

17 A. Well, they put the header to the left
18 of the column.

19 Q. So in any event, that slipped through
20 and nobody caught it and it got cut off and now we
21 don't have it available and again if the careful
22 reader looks for the Jeffrey column, he doesn't
23 find it?

24 A. Right.

25 Q. Let me go back on table 1 in the same

1 Ilgren

2 paper, part 2 and you know we talked at some
3 length about the controls where we had -- you
4 report two tumors, but then when we look for the
5 different categories "00." Is that something else
6 that slipped through the galley --

7 MR. GERSON: I object to that form.

8 Q. Well, I am wondering is that just an
9 error that slipped through or I mean did you --

10 A. I don't know. I don't know. I am as
11 surprised to see it as you are.

12 Q. Now, with respect to table 4, which
13 is at page 23, which is the increase in cell
14 volume table, again, I don't want to go through
15 this in detail because your paragraph is -- the
16 text is almost a full page long, but essentially
17 you say that this table supports your conclusion
18 that the Coalinga-exposed rats weren't getting
19 tumors, right?

20 A. Do I say that -- can you point out
21 where I say that?

22 Q. Otherwise why would it be in the
23 paper? It says that they don't get tumors, what's
24 the point of having it here if it doesn't support
25 your --

1 Ilgren

2 A. It is consistent with the idea
3 that -- or it is consistent with the tumor data.
4 I think that's what you are trying to say?

5 Q. Right.

6 A. O.K.

7 Q. Now, my question is this: As I read
8 this again, these scores are kind of all over the
9 map but, for example, at 12 months, the controls
10 have 57 for the report of volume plus or minus 8,
11 but the Coalinga has 118 plus or minus 50. That
12 looks to me like a pretty big increase?

13 A. Which one are you on, table 4 or 3?

14 Q. I am on table 4.

15 A. O.K., and which are you on, cell
16 volume?

17 Q. I am looking at the 24-month cell
18 number.

19 A. Cell number, O.K.

20 Well, like I said before, you know,
21 the statistical comparison based on the sample
22 size, the standard deviation and the confidence
23 interval suggests that there is no significant
24 difference between these two.

25 Q. It also suggests that there is no

1 Ilgren

2 difference between the controls and the chrysotile
3 and the UICC/B? That's even lower than the
4 Coalinga?

5 A. But the confidence interval was
6 very -- it is plus or minus 7.

7 Q. And of course we don't know what the
8 Jeffrey is because the editors cut that one off.
9 If we looked then at cell volume, which is higher
10 up in the table again, for example, and I will
11 look at some of these at 24 months, the control
12 males are 28 plus or minus 9, and the
13 Coalinga-exposed rats are 62 plus or minus 15.
14 Wouldn't you call that a significant increase?

15 A. No, I mean the significant -- the
16 numbers were put into, as it indicates in the
17 legend of the table, the various statistical tests
18 which are Dr. Duncan's multiple comparison tests,
19 for example, and when the data were put into that
20 statistical package, there was no difference found
21 between the control and Coalinga.

22 Q. Who put the data into that
23 statistical package?

24 A. Kent Pinkerton.

25 Q. But be that as it may, when we look

1 Ilgren

2 at these columns virtually -- in virtually every
3 instance, the Coalinga cell volume and cell number
4 is largely increased and the UICC/B cell volume
5 and cell number is largely increased, but it is
6 about the same as the Coalinga, it is not
7 dramatically different, is it?

8 A. Sorry, I am getting a bit tired, just
9 ask me again.

10 Q. Well, as we look at table 4, you say
11 that this shows that Coalinga controls are about
12 the same and UICC/B, to quote the text, as larger
13 yet when you look at both cell volume and cell
14 numbers put in table 4, it looks to me like
15 Coalinga and UICC/B are increased and in about the
16 same amount, isn't that correct?

17 A. Well, all I can tell you is what I
18 said before. There are statistical differences
19 here. There is trends here. The overall
20 difference between control and Coalinga on the
21 basis of the statistical analysis and in
22 consideration of the size and in consideration of
23 the confidence interval suggests that there is
24 little or no difference between control and
25 Coalinga. It is unfortunate we don't have the

Ilgren

Jeffrey data to compare, but with respect to control and Coalinga, that's what I would --

Q. So you are saying that if a competent statistician looked at data set out in table 4 that he would conclude that control and Coalinga are about the same and UICC/B is much greater?

A. It would be greater to the degree of significance as indicated in the superscripts using the various tests.

Q. Turning to page 25 --

A. Yes.

Q. -- you talk about various injection studies which in your mind "have convincingly demonstrated Coalinga's lack of carcinogenicity," correct?

A. Yes.

Q. Now, you then, however, criticize injection studies by Maltoni for a number of grounds, correct? You don't find that one to be persuasive?

A. On a number of grounds.

Q. Right, and of course you don't agree with the results either because he found that Coalinga was -- did cause tumors on injection,

1 Ilgren

2 didn't he, in his studies which were published
3 twice, by the way, correct?

4 A. Correct.

5 MR. GERSON: What are you asking?

6 Is it correct that they are published twice
7 or --

8 A. Maltoni et al. in 1982, and Minardi
9 1984.

10 Q. You are not saying that Dr. Maltoni
11 is not a competent experimental animal researcher,
12 are you?

13 A. I am not saying anything, but what is
14 in the text is indicated by these specific
15 criticisms.

16 Q. And one of your criticism of course
17 is you are not sure if this asbestos that
18 Coalinga -- that Maltoni injected his rats with
19 was Coalinga or not, right?

20 A. That's right.

21 Q. And putting aside the fact that, of
22 course it was Coalinga, you state it could have
23 come from one of the many serpentine ore bodies in
24 California?

25 A. We don't agree to the fact when -- I

Ilgren

1
2 mean I wrote to Maltoni and I called Maltoni, and
3 I never received a response as to what the source
4 was.

5 Dr. Langer has given you some verbal
6 information which I find very interesting, but I
7 find it interesting also that the very man who did
8 the studies wouldn't respond to my requests for
9 information.

10 Q. Maybe it is because he is busy. I
11 mean you don't know why he didn't respond?

12 MR. WILL: You mean the careful
13 reader couldn't learn that from his paper?

14 THE WITNESS: No.

15 Q. Well, let me put it to you this way.

16 When you wrote this paper in about
17 1998, you wondered if this California chrysotile
18 that Maltoni injected his rats with was Coalinga
19 or not, didn't you?

20 A. Yes.

21 Q. And you said, and you quoted in the
22 text of your paper, part 2, that it could have
23 come from any of the many serpentine ore bodies in
24 California, right?

25 A. Right.

1 Ilgren

2 Q. You know, Doctor, there is only one
3 commercial serpentine ore body in the State of
4 California, and that's Coalinga?

5 A. That's wrong.

6 Q. What are the others?

7 A. There is Copperopolis, number one.
8 There is two or three others for sure.

9 Q. What are they?

10 A. I don't recall.

11 Q. So there are many, but you don't know
12 what they are?

13 MR. WILL: Well, there are others.

14 A. There are others, and it is the
15 state -- serpentine happens to be the state
16 mineral.

17 Q. Well, assuming it was, you still
18 would have other criticisms, don't you?

19 A. Yes.

20 Q. You don't level any criticisms, for
21 example, at Muhle's injection studies that I can
22 read here, do you?

23 A. No.

24 Q. And you don't level any criticisms at
25 Pott's injection studies?

1 Ilgren

2 A. As laid out in the studies you are
3 referring to in table 5?

4 Q. Right.

5 A. No.

6 Q. You would agree with me, Dr. Ilgren,
7 that animal injection studies with Coalinga
8 chrysotile, some show lack of tumors and some show
9 tumors, right?

10 A. Correct.

11 Q. O.K. And what you have done here is
12 criticize those that show tumors and you accept
13 those that do not, haven't you?

14 A. Will you say that again.

15 Q. What you have done in this paper is
16 criticize those injection studies that show tumors
17 but you accept those that do not show tumors?
18 Because I see no criticism of those studies.

19 A. I didn't find any -- I didn't find
20 any major problems with these particular injection
21 studies.

22 Q. Well, for example, you criticized the
23 Suzuki studies on a number of grounds?

24 A. Yes.

25 Q. You described that, for example, as

1 Ilgren

2 New York/Japanese collaborative group?

3 A. That's right.

4 Q. Where do you get that from?

5 A. Suzuki is based in New York and
6 Kohyama is based in Tokyo.

7 Q. But when that study was done, they
8 were both in Mt. Sinai in New York, weren't they?

9 A. I don't know.

10 Q. Shouldn't you have checked if that is
11 something you put in your text?

12 A. Correspondence with Kohyama and
13 Tokyo, I assume he is based in Tokyo.

14 Q. Of course that study was published in
15 '83, wasn't it?

16 A. Well, I have just assumed rightly or
17 otherwise that he was in Tokyo.

18 Q. Then you also say that study is
19 confounded, among other things, by
20 "supra--maximum-tolerated-dose effects"?

21 A. Correct.

22 Q. And for that proposition, you cite
23 reference 29 which is the Oberdorster paper I
24 referred to earlier, correct?

25 A. Right.

1 Ilgren

2 Q. Would you agree with me, Dr. Ilgren,
3 that you can read the Oberdorster paper from front
4 to end and you will never see that scary term?

5 A. I don't know. I haven't read it in
6 some time, but if you say so, then I would accept
7 that.

8 MR. WILL: By "scary term," you mean
9 "supra-maximum tolerated dose effects"?

10 MR. BROWNSON: Right.

11 Q. Now, I am looking at the appendix on
12 page 29, and my first question is very simple.
13 Why is this here?

14 A. Why is this here?

15 Q. Right. What is it? I can't figure
16 out what it is.

17 A. O.K. In 1981, a panel of
18 pathologists was convened in Wales to review the
19 same slides from a part of the collaborative
20 study.

21 Do you know what I mean when I say
22 the collaborative study? It is the study where,
23 for example, the MRC dusted animals with UICC/B
24 and the NEIHS dusted animals, and they did them
25 concurrently. And this table is a modified

1 Ilgren

2 version of a table I got from Chris Wagner from
3 his, I guess, document repository or archive, and
4 I just wanted to indicate that there was some
5 difference in opinion when certain pathologists
6 looked at the same slide in some cases.

7 Q. But I guess my question is the text
8 of this paper doesn't talk about what this is, so
9 I was confused because it just kind of sits there
10 at the end?

11 A. All right.

12 Q. Is there anything in the text that
13 explains anything about this appendix?

14 MR. GERSON: Other than the
15 notations on the appendix.

16 A. I have to go through the paper and
17 check.

18 Yeah, it is on page 26, column 2. It
19 starts at the top of the page. Actually, if you
20 look at the bottom of column 1, it says, "Wagner
21 et al. also examined untreated and UICC/B-treated
22 animals maintained in a manner identical to those
23 of McConnell et al. and observed tumors yields
24 similar to ours."

25 Then I have, "Small discrepancies in

Ilgren

1
2 tumor yields between our own analysis and those of
3 McConnell et al. and Wagner are potentially
4 attributable to interobserver variation. This is
5 well supported by the findings of 13 independent
6 pathologists from 9 institutions who reviewed 20
7 tumor cases from the comparative study."

8 Q. Just so we are clear, the comparative
9 study was not this review of the slides in 1995
10 through 1998, that was the --

11 A. Original 1984, right.

12 Q. And it also included that one over in
13 England, the one here and the one in England?

14 A. Yes, it did.

15 MR. WILL: The next sentence of the
16 article says, "Diagnostic variation was
17 particularly notable (Appendix)." That's
18 the reference.

19 Q. Then if we look at the appendix, we
20 note that apparently after a meeting between these
21 many eminent pathologists, many diagnoses
22 reclassified after the meeting were BAH, so once
23 again that term appears, correct?

24 A. Yes. Particularly in the direction
25 of tumors being reclassified from tumor to BAH.

1 Ilgren

2 That seems to have been the major trend.

3 Q. Dr. Ilgren, you obviously have
4 extensively reviewed the medical literature as
5 apparent from your references. In your long and
6 extensive review of the literature, have you ever
7 seen a drinking parable in your scientific paper
8 other than this one you threw in here?

9 A. A drinking parable?

10 Q. You talk about the man drinks
11 whiskey, and then he is drinking gin, and that's
12 at page 29 of part 2?

13 A. You don't like it? You don't happen
14 to like that?

15 Q. Well, whether I like it or not, I am
16 just curious because I have never seen a drinking
17 parable in a peer-reviewed scientific paper. And
18 I can ask that as a serious question.

19 Have you ever seen a drinking
20 question in a peer-reviewed scientific paper?

21 A. I don't think I have ever seen a
22 drinking parable in a scientific paper.

23 Q. And now I want to turn -- I want to
24 follow up one final thing on part number 2.

25 Did you proofread the galleys before

Ilgren

1

2 this was published?

3

A. Yes.

4

Q. And is the same true with part number

5

1?

6

A. Yes.

7

Q. I now want to turn my attention to

8

part 3 --

9

MR. WILL: Let's take a break.

10

(Document entitled "Coalinga Fibre-A

11

Short, Amphibole-Free Chrysotile Part 1"

12

marked Defendant's Exhibit 36 for

13

identification, as of this date.)

14

(Document entitled "Coalinga Fibre-A

15

Short, Amphibole-Free Chrysotile Part 2"

16

marked Defendant's Exhibit 37 for

17

identification, as of this date.)

18

(Continued on the next page)

19

20

21

22

23

24

25

Ilgren

(Document entitled "Coalinga Fibre-A
Short, Amphibole-Free Chrysotile Part 3"
marked Defendant's Exhibit 38 for
identification, as of this date.)

(Time noted: 5:15 p.m.)

Subscribed and sworn to before me

this _____ day of _____, 1998.

C E R T I F I C A T E

STATE OF NEW YORK)
) ss.:
COUNTY OF NEW YORK)

I, NANCY R. SULLIVAN, a Shorthand
Reporter and Notary Public within and for
the State of New York, do hereby certify:

That I reported the proceedings in
the within entitled matter, and that the
within transcript is a true record of such
proceedings.

I further certify that I am not
related, by blood or marriage, to any of
the parties in this matter and that I am
in no way interested in the outcome of this
matter.

IN WITNESS WHEREOF, I have hereunto
set my hand this 2ND day of September,
1998.

Nancy R. Sullivan
NANCY R. SULLIVAN

1

2 August 13, 1998

3

I N D E X

4

WITNESSPAGE

5

Edward Ilgren

704

6

7

8

E X H I B I T S

9

FOR IDENTIFICATIONPage

10

36 Document entitled Coalinga
Fibre-A Short, Amphibole-Free
Chrysotile Part 1

929

11

12

37 Document entitled Coalinga
Fibre-A Short, Amphibole-Free
Chrysotile Part 2

929

13

14

38 Document entitled Coalinga
Fibre-A Short, Amphibole-Free
Chrysotile Part 3

930

15

16

17

18

19

20

21

22

23

24

25