

CAUSE NO. 21916*JG02

DANIEL T. MINNEHAN, Individually) IN THE DISTRICT COURT OF
and as Next Friend for)

Joshua Stephen Minnehan and)

Kyle Marshal Minnehan)

Plaintiff,)

vs.) BRAZORIA COUNTY, TEXAS

AMERICAN OIL COMPANY,)

Individually and f/k/a Amoco)

Oil Company and Amoco, Inc.;)

et al.,)

Defendants.) 239th JUDICIAL DISTRICT

ORAL DEPOSITION OF

ETHAN NATELSON, MD

OCTOBER 15, 2004

ORAL AND DEPOSITION of ETHAN NATELSON, MD, produced
as a witness at the instance of the Plaintiff, and duly
sworn, was taken in the above-styled and numbered cause on
October 15th, 2004, from 11:10 a.m. to 12:40 p.m., before
Susan A. Love, Certified Shorthand Reporter in and for the
State of Texas, reported by computerized stenotype machine
at the Stehlin de Ipolyi Oncology Clinic, P.A., 1315 St.
Joseph Parkway, Suite 1800, Houston, Texas, pursuant to
the Texas Rules of Civil Procedure and the provisions
stated on the record or attached hereto.

A P P E A R A N C E S

FOR THE PLAINTIFF:

Mr. Michael L. Kaeske, Jr.
KAESKE LAW FIRM
6301 Gaston Avenue, Suite 735
Dallas, Texas 75214

FOR THE DEFENDANT PHILLIPS PETROLEUM COMPANY, INDIVIDUALLY
AND A/K/A PHILLIPS OIL COMPANY, PHILLIPS CHEMICAL COMPANY
AND PHILLIPS 66 COMPANY:

Mr. Stephen C. Dillard
FULBRIGHT & JAWORSKI L.L.P.
1301 McKinney, Suite 5100
Houston, Texas 77010

FOR THE DEFENDANT SHELL OIL COMPANY:

Mr. Stan Perry
HAYNES and BOONE, LLP
One Houston Center
1221 McKinney, Suite 2100
Houston, Texas 77010

FOR THE DEFENDANTS AMERICAN OIL COMPANY, AMOCO CHEMICAL
COMPANY, AMOCO CORPORATION, AMOCO OIL COMPANY, BP AMOCO
CORPORATION, BP CHEMICALS, INC.:

Mr. James B. Galbraith
MCLEOD, ALEXANDER, POWEL & APFFEL, P.C.
802 Rosenberg
Galveston, Texas 77550

ALSO PRESENT:

Dr. Joseph S. Ayoub

I N D E X

	PAGE
ETHAN NATELSON, MD:	
Examination by Mr. Kaeske	4
Signature Page	60
Court Reporter's Certificate	62

E X H I B I T S

EXHIBIT NO.	DESCRIPTION	PAGE
1	Black Binder of Articles Produced by Dr. Natelson	58
2	Handwritten Notes by Dr. Natelson and Pages from Daniel Minnehan's Medical Records	58

1 ETHAN NATELSON, MD,
2 having been first duly sworn, testified as follows:

3 EXAMINATION

4 BY MR. KAESKE:

5 Q. Hi, Doctor.

6 A. Good morning.

7 Q. What kind of doctor are you?

8 A. I'm a hematologist.

9 Q. All right. Have you ever diagnosed anyone with
10 benzene-related acute myelogenous leukemia?

11 A. I've diagnosed a person with chemical related. I
12 don't know that it was benzene. He was exposed to many
13 different chemicals. But specifically benzene related,
14 no.

15 Q. All right. So just so I can get a clear question
16 and answer: As far as you can recall, you've never
17 diagnosed anyone with benzene-related acute myelogenous
18 leukemia, correct?

19 A. That would be correct.

20 Q. Have you ever treated anyone with benzene-related
21 acute myelogenous leukemia?

22 A. Again, I've treated one patient that I can think
23 of who had exposure to benzene, in addition to many other
24 chemicals. I don't know that his was benzene related. It
25 was chemical related. But I haven't treated anybody that

1 I thought had only benzene and only exposure to
2 benzene-induced leukemia.

3 Q. So as far as you can recall as you sit here
4 today, you've never treated anyone with benzene-related
5 acute myelogenous leukemia, correct?

6 A. Yes, that would be correct.

7 Q. All right. How long have you been practicing in
8 the Houston area?

9 A. I started doing hematology about 35 years ago.
10 But in terms of practice, I began to practice when I got
11 out of the Air Force, which would be around 1972.

12 Q. How many acute myelogenous leukemias do you think
13 you've diagnosed since 1972?

14 A. I would have to speculate. In other words, I
15 don't count them, but I would say probably in the range
16 of -- and this would be real speculation. I would say
17 perhaps four to six a year for all of those years. We'd
18 have to do some calculations.

19 Q. And do you think that the first year that you saw
20 an acute myelogenous leukemia patient in practice was in
21 1972?

22 A. Yes, because that's when I went into practice.

23 Q. When you diagnose someone with -- I presume
24 you're intending to give opinions about the causation of
25 Mr. Minnehan's leukemia; is that correct?

1 A. Yes.

2 Q. When you -- and I also presume that you're going
3 to opine that it's not related to benzene exposure,
4 correct?

5 A. I'm going to opine that I don't know the cause
6 for it.

7 Q. Well, are you going to say that it's not related
8 to benzene exposure?

9 A. In all medical probability, yes, that it's not
10 related to benzene exposure.

11 Q. I guess you're going to give the jury the opinion
12 that, in your opinion, Mr. Minnehan's acute myelogenous
13 leukemia is not related to any exposure that he had to
14 benzene, correct?

15 A. I'm going to give what I would say in all medical
16 probability, in any particular case of acute leukemia,
17 it's very difficult to, with certainty, assign a cause.
18 So all one can say is, looking at the case, the milieu it
19 was diagnosed in, the type of leukemia, the circumstances,
20 you can give your best estimate if it was or wasn't. And
21 in my estimate, it would be in all medical probability, it
22 is not caused by benzene.

23 MR. KAESKE: Object as nonresponsive.

24 Q. (BY MR. KAESKE) And that's just for her.

25 A. I understand.

1 Q. It's not for you.

2 But I'm just trying to find out if one of
3 the things that you're going to say to the jury is you
4 think his acute myelogenous leukemia was not caused by
5 exposure to benzene.

6 A. I don't believe that it was.

7 Q. And you don't know what caused his acute
8 myelogenous leukemia, correct?

9 A. That's correct.

10 Q. You are not going to give the opinion that
11 smoking caused Mr. Minnehan's acute myelogenous leukemia,
12 correct?

13 A. That's correct.

14 Q. List for me, if you would, all the reasons you
15 believe -- well, let's start with this: You do a
16 differential diagnosis with your own patients, correct --

17 A. Yes.

18 Q. -- outside of litigation? When you see a
19 patient, you'll do a differential diagnosis, correct?

20 A. Yes.

21 Q. And part of the purpose in doing a differential
22 diagnosis is to not only diagnose what the disease is but
23 also to figure out if there's a cause, correct?

24 A. Yes.

25 Q. And what you will do to make a differential

1 diagnosis in one of your patients is you'll first ask
2 yourself, what is the disease that the patient has,
3 correct?

4 A. Correct.

5 Q. And then the next thing that you will do is you
6 will ask whether or not there are any confounding factors
7 or anything in the background of the individual that might
8 explain the illness, correct?

9 A. Correct.

10 Q. And then the third thing you do is you'll ask
11 yourself, in a case like this one, what's the allegation
12 and is it reasonable, correct?

13 A. Correct.

14 Q. All right. Now, as far as the first question
15 is concerned, what is the disease, you don't have any
16 dispute that Mr. Minnehan has acute myelogenous leukemia,
17 correct?

18 A. Correct. He has acute myeloid leukemia, or what
19 we call AML.

20 Q. And in addition to that, you would agree that
21 there is ample epidemiologic evidence, as well as other
22 scientific evidence, in the form of case reports and
23 scientific studies of the mechanistic methods of
24 benzene-inducing acute myelogenous leukemia that show that
25 benzene does, indeed, cause acute myelogenous leukemia,

1 right?

2 A. It is generally accepted that, under certain
3 conditions, benzene may cause AML.

4 MR. KAESKE: Object as nonresponsive.

5 Q. (BY MR. KAESKE) Sorry. My question was really
6 bad. Let me try again.

7 You will agree with me, won't you, that
8 there is ample scientific evidence showing that benzene
9 causes acute myelogenous leukemia, correct?

10 A. Benzene has the capacity to cause acute
11 myelogenous leukemia.

12 Q. All right. Now, as far as any confounding
13 factors are concerned with respect to Mr. Minnehan's
14 disease, and in particular, Mr. Minnehan's case, there are
15 no factors that you would say -- that you can look to as
16 history and say, "These are the things that I think caused
17 his acute myelogenous leukemia," outside of benzene,
18 correct?

19 A. Well, as I said, I don't know the cause of his
20 acute myeloid leukemia.

21 Q. Well, there are no confounding -- if you're doing
22 this differential diagnosis that you would do with one of
23 your own patients, you wouldn't come upon any confounding
24 factors for the cause of his acute myelogenous leukemia,
25 correct?

1 A. Well, just as we've said, we recognize that
2 benzene can cause acute myeloid leukemia under certain
3 conditions. Cigarette smoking is a risk factor for acute
4 myeloid leukemia; and he had smoked, at least, at one time
5 in his life.

6 Q. Well, look, you said cigarette smoking is a risk
7 factor for acute myeloid leukemia, right?

8 A. Correct.

9 Q. Now, as far as risk factors are concerned, you
10 have previously testified that in order for you to be
11 comfortable with an epidemiologic study or with causation
12 between a substance and a disease, you need to see a
13 three-times risk, correct?

14 A. That's correct.

15 Q. And you will agree with me that there are no
16 studies published in the peer-reviewed scientific
17 literature that show a three-times risk for smoking and
18 acute myelogenous leukemia, right?

19 A. No, I would disagree with that. In other words,
20 we generally believe that -- or the Surgeon General's
21 report tells us there's a doubling of the risk. But there
22 are studies that show a three-times increase in risk.

23 MR. KAESKE: Object as nonresponsive.

24 Q. (BY MR. KAESKE) Doctor, do you have the Surgeon
25 General's report with you?

1 A. Yes, or part of it.

2 Q. And you're convinced that the Surgeon General's
3 report says that there's a doubling of the risk for
4 smoking and acute myelogenous leukemia?

5 A. Well, I have the summary pages of that. We can
6 look at it and see just what they say. He cites a lot of
7 studies, and the conclusion is that cigarette smoking is a
8 risk factor. I don't recall if it says doubling. But
9 that conclusion is that cigarettes are a risk factor.

10 MR. KAESKE: Object as nonresponsive.

11 Q. (BY MR. KAESKE) And I don't mean to niggle with
12 you here. But, look, you just told me that the Surgeon
13 General's report says that there's a doubling of the risk;
14 and we were talking about whether or not there are any
15 studies that show a tripling of the risk, or three-times
16 risk.

17 A. Yes.

18 Q. And so when I said the three-times risk, you said
19 yes, there was a study. And then you pointed me to the
20 Surgeon General's report, which you said was a doubling.
21 So let's deal with that first. Do you believe -- because
22 apparently the Surgeon General's report forms a portion of
23 the basis of your opinion that smoking can increase
24 someone's risk for acute myelogenous leukemia, correct?

25 MR. DILLARD: Object to form.

1 A. Yes. The Surgeon General's report, I suppose you
2 could say, validated what we know from the general
3 opinions in the medical literature.

4 MR. KAESKE: Object as nonresponsive.

5 Q. (BY MR. KAESKE) The question was: Does the
6 Surgeon General's report form part of the basis of your
7 opinion that smoking is a risk factor for acute
8 myelogenous leukemia?

9 A. Yes.

10 Q. All right. Now, that report doesn't say anywhere
11 in it that there's a doubling of the risk of AML from
12 smoking, correct?

13 A. We'd have to look at it. I don't recall. It's a
14 long report. I just have the conclusions. We could look
15 at them -- they're in this book -- and see exactly what
16 they are. But it indicates the risk has increased.

17 Q. Fair enough. And we should look at it.

18 A. Yes.

19 Q. But a moment ago when you told us that it showed
20 that there was a doubling of the risk, upon further
21 reflection, you're not sure without looking at it whether
22 or not there's a doubling of the risk. Is that fair?

23 A. That would be fair.

24 Q. Okay. So let's go ahead and pull it out, if
25 you've got it with you, and take a look at it and see what

1 it says.

2 A. The final pages cite a number of reports that
3 show varying risk benefits. And he says here: "Among
4 those who smoked more than a pack of cigarettes per day,
5 the risk increased twofold. In 2002 IARC concluded that
6 there's now sufficient evidence for a causal association
7 between cigarette smoking and myeloid leukemia."

8 And then under "Final Conclusions: "The
9 evidence is sufficient to infer a causal relationship
10 between the smoking and acute myeloid leukemia. And, too,
11 the risk for acute myeloid leukemia increases with the
12 number of cigarettes smoked and with duration of smoking."

13 MR. KAESKE: Object to the nonresponsive.

14 Q. (BY MR. KAESKE) Doctor, my question specifically
15 is: Does the Surgeon General's report say that there is a
16 doubling of the risk for acute myelogenous leukemia, not
17 all leukemias, not all myeloid leukemias, but for acute
18 myelogenous leukemia with smoking?

19 A. Well, as I said, among those who smoked more than
20 a pack of cigarettes per day, the risk increased twofold.
21 That's doubling, isn't it?

22 Q. The risk of what, Doctor?

23 A. The risk of acute leukemia is what they're
24 talking about.

25 Q. Can I take a look at that for just a second?

1 Maybe I'm --

2 A. (Passes document.)

3 Q. Can you cite me to an epidemiologic study that
4 shows a doubling of the risk for acute myelogenous
5 leukemia and smoking?

6 A. This one. This is an article by Pascoletti from
7 1997. And according to the table in this for acute
8 leukemia, he says that for all smokers the O.R. is 2.3;
9 for light smokers, 2.25; and for heavy smokers, 2.30.

10 Q. All right. Can I take a look at that?

11 A. (Passes document.)

12 Q. And this is the article from the British Journal
13 of Hematology for Pascoletti?

14 A. Yes.

15 Q. Now, can you cite me any articles that show a
16 three-times increased risk for smoking and acute
17 myelogenous leukemia?

18 A. Isn't that what I just showed you? The risk is
19 more than three.

20 Q. I'm asking you a different question now.

21 A. I'm sorry.

22 Q. Give me whatever studies you think answer the
23 question, including that one if you think that's one of
24 them.

25 A. I've given you that study. I have many, many

1 articles in my files, but I -- and the Surgeon General
2 cites a few, I believe, that increased the risk above
3 three; but I don't have them as we talk here today.

4 Q. What I'd like for you to do for me, if you could,
5 please, sir, is name for me the articles that you can
6 today that show a tripling of the risk for smoking and
7 acute myelogenous leukemia.

8 A. Let's just see here (perusing documents). Well,
9 I can't as we sit here today. I don't have the entirety
10 of the Surgeon General's report, and I don't recall any
11 specific article that would say that.

12 Q. All right. The binder that's on the table in
13 front of you, those are articles that you have pulled
14 specifically for this case; or is that just your benzene
15 file, in general?

16 A. No. That is specific articles for this case.

17 Q. All right. Fair enough.

18 And where did you get those articles?

19 A. Well, these are all articles that I would
20 accumulate, as I accumulate many references in my career.
21 In other words, these references come from various
22 sources. They may be journals that I subscribe to, like
23 Blood or The New England Journal of Medicine. They may be
24 articles that I've ordered through our library here in the
25 hospital. They may come from various sources.

1 Q. I guess what I'm getting at is: How did you come
2 upon this particular set of articles?

3 A. Well, the particular set that I selected --
4 obviously I can't anticipate what you're going to ask me,
5 so I can't have articles that answer everything. But I
6 picked this set of articles because they address
7 particular points that I think have some potential
8 significance about this case.

9 Q. Yeah. But how did you go find those articles?
10 Or did you go find those articles?

11 A. Oh, I did. In other words, I have several
12 thousand articles in my other office over in the hospital
13 on various subjects, diverse subjects, not necessarily
14 just including benzene literature. But I look through my
15 files, and I pick out the articles that I think might be
16 appropriate for a particular case.

17 Q. And so how did you come about this set?

18 A. Well, for example, one of the features of this
19 particular case is the particular chromosome abnormality
20 present, inversion 16. Well, it wouldn't make sense for
21 me to pick an article that talked about the Philadelphia
22 chromosome. That's a different chromosome. So I picked
23 articles that had to do, at least from the chromosome
24 standpoint, with chromosome inversion 16.

25 Q. And did you already have those articles that are

1 in there about the cytogenetics and chromosome 16? Did
2 you already have all those articles in your files before
3 the Daniel Minnehan case came to you?

4 A. I would say probably 95 percent of them I did.

5 Q. And where did you get the other 5 percent of
6 them?

7 A. The other 5 percent would be gotten, for example,
8 if I had a particular article -- or a particular aspect in
9 inversion 16 that I was looking for, I would go into some
10 type of med search file, put in what topic I was after;
11 and if I came up with a particular reference I was
12 interested in on that particular subject and if it wasn't
13 a journal we had in our hospital library, I would get my
14 secretary to order it for me.

15 Q. Did Mr. Dillard first contact you about this
16 case?

17 A. I don't think so. Actually it might have been
18 Mr. Perry. It's been some time ago. I don't remember who
19 the first person was that contacted me about this case.

20 Q. Did any of the lawyers make reference to any
21 articles for you to locate for this case?

22 A. No.

23 Q. Did any of the lawyers provide you with any
24 articles for this case?

25 A. No.

1 Q. You've certainly discussed with the lawyers this
2 inversion 16 thing, right?

3 A. Yes.

4 Q. And you know that it's their feeling that that
5 means that it can't be benzene related, right?

6 A. I don't think that that would be a correct
7 statement.

8 Q. What do you think would be a correct statement
9 about inversion 16?

10 A. I think that what would be a correct statement is
11 that when one sees a case of inversion 16, it is far more
12 likely to be de novo leukemia than a chemical-induced
13 leukemia.

14 Q. Are you someone who has personally studied --
15 have you conducted any epidemiologic studies on
16 cytogenetics and acute myelogenous leukemia?

17 A. No. I've ordered them occasionally on patients,
18 but I don't do them myself.

19 Q. No. Sorry.

20 Have you conducted any epidemiologic studies
21 on cytogenetics and benzene-related leukemia?

22 A. No.

23 Q. What you know, to the extent that you know
24 something about cytogenetic markers and acute myelogenous
25 leukemia, is what you have read in the literature,

1 correct?

2 MR. GALBRAITH: Object to form.

3 A. That would be correct.

4 Q. (BY MR. KAESKE) Have you ever treated a patient
5 with acute myelogenous leukemia M4 inversion 16?

6 A. I don't know. In other words, I have treated a
7 lot of leukemia patients over the years; and I don't
8 recall which particular defect they had. And so I can't
9 tell you that I have or I haven't.

10 Q. You would certainly agree with me that people
11 have been relating acute myelogenous leukemia and benzene
12 exposure since long before we were able to conduct
13 cytogenetic testing on acute myelogenous leukemia
14 patients, correct?

15 A. That is correct.

16 Q. And you would also agree with me that there is no
17 epidemiologic study that shows a statistically significant
18 doubling of the risk for acute myelogenous leukemia with
19 any given cytogenetic marker, correct?

20 A. I'm not sure I understand that question at all.

21 Q. One of the depositions that you've got in your
22 stack of depositions is the deposition of Richard Irons,
23 right?

24 A. Yes.

25 Q. Did you read that deposition?

1 A. Yes.

2 Q. You saw where Dr. Irons and I had a discussion
3 about inversion 16, correct?

4 A. I read it. I remember more of the questions you
5 asked about 5 and 7 than inversion 16, but we could look
6 at it. I'm not sure which question you're referring to.

7 Q. Well, here's the point: There isn't any -- first
8 of all, let me see if I can understand what your opinions
9 are about cytogenetic markers.

10 Do you think that there is some cytogenetic
11 marker that is diagnosed of a benzene-induced leukemia?

12 A. No.

13 Q. Do you think that there is some set of
14 cytogenetic markers that you would require to say that
15 within a reasonable degree of medical probability,
16 someone's acute myelogenous leukemia is related to benzene
17 exposure?

18 A. Not as a sole aspect of the case. In other
19 words, certain markers are more common in chemical-induced
20 leukemias than others. But you're looking at the whole of
21 the picture. That's one thing that you look at in terms
22 of opining an opinion.

23 MR. KAESKE: Object as nonresponsive.

24 Q. (BY MR. KAESKE) Maybe you didn't understand my
25 question.

1 Are there a set of -- are there any
2 cytogenetic markers or chromosome aberrations that you, in
3 your opinion, need to see, in addition to whatever other
4 things, but that you need to see in order to give the
5 opinion that within a reasonable degree of medical
6 probability, someone's benzene exposure is related to
7 their acute myelogenous leukemia?

8 A. Well, I think, as I said, you can't take an
9 individual case and prove causation, by any means. In
10 other words, we only infer causation by certain things,
11 chromosome abnormalities being one of them. There are
12 certain chromosome abnormalities that are more common than
13 others in a chemical-induced leukemia, but there is no
14 single chromosome abnormality that proves a
15 chemical-induced leukemia.

16 MR. KAESKE: Object as nonresponsive.

17 Q. (BY MR. KAESKE) So I infer from your answer that
18 you believe that cytogenetic markers are something that
19 you use to prove causation, correct?

20 A. They are one aspect of what we look at to try to
21 come to a conclusion on causation.

22 Q. All right. And can you point me to any study
23 published in the peer-reviewed scientific literature that
24 says that -- well, first let me ask you this: Do you
25 think that there is -- that it is generally accepted in

1 the scientific community that there are certain chromosome
2 abnormalities that can be used to prove or disprove
3 causation between benzene exposure and an acute
4 myelogenous leukemia?

5 A. Not prove. I don't believe there are any
6 chromosome abnormalities that can prove "yes" or prove
7 "no," as best I'm understanding your question.

8 Q. Well, I think you said in your answer just a
9 moment ago that chromosomal abnormalities are part of your
10 analysis for causation; is that correct?

11 A. Correct.

12 Q. Is there any article in the medical or
13 scientific peer-reviewed literature that says chromosome
14 abnormalities are to be used as a device or piece of
15 information in determining causation for a benzene-related
16 leukemia?

17 A. I don't know if they would state it in that
18 particular language, but there are plenty of articles that
19 say that particular chromosome abnormalities are seen more
20 commonly in benzene-associated leukemia.

21 MR. KAESKE: Object as nonresponsive.

22 Q. (BY MR. KAESKE) And that's certainly not what I
23 asked you.

24 You will agree with me that there is a
25 difference between looking at a bunch of cases and seeing

1 what kind of chromosomal abnormalities that they have, and
2 inferring from chromosomal abnormalities that someone has,
3 what the cause of their disease might be, correct? Those
4 are two different things?

5 A. Well, a chromosomal abnormality is one thing; and
6 the causation is another thing. Yes, I agree with that.

7 Q. All right. What I'm asking you for is: Are
8 there any articles published in the peer-reviewed
9 scientific literature that say, in determining the
10 causation of an acute myelogenous leukemia, whether or not
11 it's related to benzene exposure, that what you should do
12 is you should consider the chromosomal abnormalities?

13 A. Well, yes. I think that the articles suggest
14 that that's true.

15 Q. Name one.

16 A. Mauritzson's article, for example, where he looks
17 at chromosome abnormalities in a large number of people
18 and says that certain abnormalities are more suggestive of
19 de novo and other abnormalities are more suggestive of
20 chemical induced.

21 Q. That article that you just said, that's whose
22 article?

23 A. I believe it's Mauritzson.

24 Q. And that's a chemotherapy article?

25 A. Not exactly. In other words, it's sort of a

1 statistical analysis of chromosomal abnormalities in
2 myelodysplasia and acute leukemia, with particular
3 attention to what we call therapy related or
4 chemical-induced leukemia or secondary leukemia.

5 MR. KAESKE: Object as nonresponsive.

6 Q. (BY MR. KAESKE) It's not a benzene related -- it
7 doesn't look at benzene-related leukemias, correct?

8 A. Not specifically, no.

9 Q. Right. So my question was about benzene-related
10 leukemias, not about chemotherapy or chemical-induced
11 leukemias.

12 So now that we're clear on that, can you
13 name me an article published in the scientific
14 peer-reviewed literature that says that when you're
15 determining causation for benzene-induced acute
16 myelogenous leukemia, that you look at particular
17 chromosome changes?

18 A. Well, there are numerous articles that cite the
19 fact that chromosome abnormalities of 5 and 7 appear
20 frequently in benzene-related hematologic diseases.
21 That's not a proof, but that's just a statement of
22 frequency.

23 MR. KAESKE: Object as nonresponsive.

24 Q. (BY MR. KAESKE) Sir, I'm talking about
25 methodology. Do you understand that?

1 A. I'm totally misunderstanding, obviously, what
2 you're asking me.

3 Q. Let me start with this. And I'm sorry for the
4 confusion. Have you ever seen any articles -- well, I
5 don't want to know if you've ever seen.

6 Can you name for me an article in the
7 peer-reviewed scientific literature discussing the
8 methodology for diagnosing causation in acute myelogenous
9 leukemia, specifically with respect to benzene exposure,
10 that states that chromosome abnormalities are to be used
11 in determining or in helping to determine the causation
12 for an acute myelogenous leukemia with respect to benzene
13 exposure?

14 MR. GALBRAITH: Object to the form.

15 A. The answer would be "no" to that.

16 Q. (BY MR. KAESKE) All right. Thank you.

17 Do you believe that acute myelogenous
18 leukemias that are caused by benzene exposure have a worse
19 prognosis than are acute myelogenous leukemias that are
20 not known what the cause is?

21 A. Yes, that would be true.

22 Q. And when that is the case, that they have a worse
23 prognosis, what does that involve? What does that worse
24 prognosis for benzene-induced leukemia involve?

25 A. Well, generally a benzene-induced leukemia --

1 and in the hematologic literature, we lump benzene-induced
2 leukemia in with chemical-induced leukemia,
3 therapy-induced leukemia, secondary leukemia. We consider
4 all those synonymous.

5 MR. KAESKE: Object as nonresponsive.

6 Q. (BY MR. KAESKE) Sir, I'm just asking you about
7 benzene-related leukemia.

8 A. I understand. And I'm just explaining that when
9 I give my answer, benzene is considered to be the same as
10 these other compounds that we have a great deal of
11 information about.

12 Q. Well, sir, you, at trial, may or may not be able
13 to give that opinion.

14 A. Correct.

15 Q. So right now I'm just asking about benzene, okay?
16 Now, I understand that you haven't specifically treated a
17 benzene-related leukemia; but you seem to have an opinion
18 about -- I mean, I just asked you and you gave me an
19 opinion about benzene-related leukemias and their
20 prognosis; and so I want to focus on that. So if you
21 could, just give me an answer that relates specifically to
22 benzene-related leukemia.

23 MR. DILLARD: Object to form.

24 A. Well, the answer is that in articles on
25 benzene-related leukemia, there is a frequency of

1 abnormalities in chromosome 5 and 7. Whenever we see
2 abnormalities in chromosome 5 and 7, they perform badly
3 with treatment. So, therefore, by inference, if I saw a
4 benzene-induced leukemia that had a chromosome 5 and 7
5 abnormality, it would perform badly with treatment.

6 MR. KAESKE: Object as nonresponsive.

7 Q. (BY MR. KAESKE) I guess I didn't ask a good
8 question again.

9 It isn't something about the 5 or 7
10 abnormality itself that makes the person have a bad
11 prognosis, right? It's just a relationship that you're
12 seeing between people that have a particular chromosome
13 abnormality and their prognosis, right?

14 A. Yes, there is a relationship between the type of
15 chromosome abnormality and prognosis, that's correct.

16 Q. Sorry. I gave you two choices, and you said yes.

17 A. Okay.

18 Q. This is what I'm saying: There isn't something
19 about the fact that it's chromosome 5 or that it's
20 chromosome 7 where the damage is that specifically relates
21 to the prognosis, correct?

22 A. Now, say that one again, that question again.
23 I'm not sure I understood that one. I think you said it's
24 not where the specific damage is? I'm not sure exactly
25 how you said that.

1 Q. Look, if you've got a chromosome 5 abnormality,
2 it isn't because your chromosome 5 is messed up that you
3 have a bad prognosis, correct?

4 A. I wouldn't know how to answer that. In other
5 words, as I've said, we recognize that a 5 or a 7
6 chromosome abnormality, meaning your 5 or 7 chromosomes
7 are messed up, when you have that, your prognosis is poor.

8 Q. Yeah, but it isn't because you've got that
9 particular chromosome abnormality that your prognosis is
10 poor. It's because of something else that has to do with
11 the disease that your prognosis is poor, right?

12 A. I can't tell you the difference. In other words,
13 the chromosome abnormality relates to the disease
14 directly. And when you see that chromosome abnormality,
15 you imply that whatever mechanism is offered of theirs is
16 making the prognosis worse.

17 Q. Well, it isn't just chromosome 5 and 7
18 abnormalities that have a poor prognosis, is it?

19 A. That's correct. There are others that have a
20 poor prognosis.

21 Q. Yeah, and they are ...

22 A. Well, generally, they're divided up into three
23 general categories of, let's say -- I mean, obviously no
24 leukemia is good. But certain what we call balanced
25 translocations have a better prognosis than, for example,

1 multiple chromosome abnormalities, when there are a number
2 of chromosomes abnormal, or chromosomes 5 and 7. And
3 there are some that are so-called good risks; some that
4 are, let's say, intermediate risks; and some that are bad
5 risks.

6 Q. So you can't name me any of the other chromosome
7 abnormalities that are high risk?

8 A. That are high risk? As I just said, multiple --

9 Q. That have a bad prognosis.

10 A. Multiple chromosome abnormalities.

11 Q. Among which chromosomes?

12 A. It can be any of them. If I saw four or five
13 different abnormalities in an acute leukemia, that would
14 be a very bad prognosis.

15 Q. All right. What else? What other leukemias have
16 a bad -- what other acute myelogenous leukemias have a bad
17 prognosis?

18 MR. GALBRAITH: Object to form.

19 A. I would have to look at the articles. There may
20 be several. But we could look at those and see which ones
21 are in which category. Generally speaking, the multiple
22 abnormalities in the 5 and 7 are considered to be bad; the
23 balanced translocations, considered to be good; and then
24 there are some that are in the middle that are
25 translocations, let's say, 9 to 11, that are worse than

1 some of the translocations but better than others.

2 Q. (BY MR. KAESKE) All right. Now, when we say --
3 when you say that the prognosis is poor, what do you mean
4 by that?

5 A. Well, I can give you the exact statement from one
6 of these articles as to what that means.

7 Q. No, I don't want it from an article. You're a
8 clinician, right?

9 A. Yes.

10 Q. So just give me your clinical analysis or give
11 me -- tell me in layman's terms when you say it's a poor
12 prognosis, what can that person expect?

13 A. What that person can expect is to stay in
14 remission -- if you're lucky to get into remission, stay
15 in remission three or four months and probably have about
16 a 10 percent survival at the end of three years.

17 Q. And when you say that they stay in remission for
18 three or four months, then what happens?

19 A. They relapse.

20 Q. Then they relapse?

21 And then what would, if that person -- what
22 would the rest of the clinical course for that person be
23 like?

24 A. Well, generally, when one puts a leukemia into
25 remission with chemotherapy, oftentimes -- not always, but

1 oftentimes the first remission is the longest. And as you
2 keep getting into second and third remissions, the
3 interval of time between each remission gets shorter and
4 shorter; and finally the drugs don't seem to work at all.

5 So in a person who is having constant
6 relapses, one might try to proceed with a marrow
7 transplant as an alternate form of treatment.

8 Q. So when you say that someone has -- when we talk
9 about this poor prognosis, what you mean by that is if
10 they achieve relapse [sic], the relapse [sic] will likely
11 not last; is that correct?

12 A. No, the relapse will last.

13 Q. Sorry.

14 A. They won't go back into remission easily.

15 Q. Sorry. I used the wrong word. You saw that.

16 A. Okay.

17 Q. So when you say that someone has a poor
18 prognosis, what you mean by that is if they achieve
19 remission, the remission will likely not last?

20 A. That's correct.

21 Q. And then if someone goes into remission but
22 relapses and their cancer comes back, a choice at that
23 point is transplant, is what you just said, correct?

24 A. Well, one can try alternate drugs; and sometimes
25 you're successful with that. But frequently that tends to

1 make you want to try something a little more dramatic,
2 like a transplant.

3 Q. So then if you try a transplant and you have one
4 of these patients and they don't have a related donor,
5 then what does the prognosis look like?

6 A. Well, the best results of a transplant would be
7 with a related donor. Then you go to the so-called
8 national donor match, and you find people who look in the
9 test tube like you but they're not exactly like you. And
10 that's the next best choice to try to do a transplant.

11 Q. Okay. But -- and my question kind of started
12 where you ended.

13 A. Yes.

14 Q. If you're one of those people where you don't
15 have a related donor and so you've got to go into the
16 national matched donor pool, then what's the prognosis
17 like for that kind of transplant?

18 A. Well, it's not as good. In other words, the
19 prognosis for a related transplant is better than an
20 unrelated donor transplant. But it's still better than
21 nothing.

22 Q. But can you not give me any details, any risk
23 analysis, any, "This is the percentage of likelihood," or
24 what's going to happen, what are the possible risk factors
25 for an unrelated donor, that kind of thing?

1 A. Well, the situation for acute leukemia, in
2 general -- adult acute leukemia is not great. We
3 typically say that our ability to achieve a cure with
4 chemotherapy alone is probably no better than 20 percent.
5 If we add a transplant to that -- let's assume we got the
6 person in remission and then did a transplant -- they
7 don't always live happily ever after. We might improve
8 that cure rate possibly up to, let's say, 40 percent. But
9 many people that you transplant, and even if the
10 transplant appears successful, ultimately relapses or will
11 die of some other transplant-related complications. So in
12 the end analysis, adult acute leukemia is often fatal.

13 Q. Do you feel like you're familiar with
14 Mr. Minnehan's course up until now?

15 A. Yes.

16 Q. That big huge stack over there (indicating), have
17 you read it all?

18 A. I can't swear that I've studied every page
19 carefully, but I've looked at each one of them, some in
20 more detail and some in a very cursory fashion. And I
21 have an idea of what happened with him.

22 Q. Okay. You'll agree with me, won't you, that the
23 course of his leukemia has been a complicated one,
24 correct?

25 A. Yes.

1 Q. And you will agree with me that the course of his
2 leukemia looks like one of those folks that had a poor
3 prognosis, correct?

4 A. No, not exactly. I wouldn't agree with that
5 statement.

6 Q. Why not?

7 A. Because he went into remission fairly promptly
8 and he stayed in remission for an extended period of time,
9 considerably more than three months. And the fact that he
10 ultimately relapsed, people with a great prognosis
11 relapse. People with normal chromosomes relapse. And so
12 the fact that he relapsed is not surprising, whether he
13 had a good risk or a bad risk leukemia. He actually
14 stayed in remission longer than most people with a bad
15 risk leukemia.

16 Q. And can you cite me to an article in the
17 peer-reviewed scientific literature that talks about how
18 long people with a poor risk of acute myelogenous leukemia
19 stay in remission before they relapse?

20 A. We could look out there. There may be some
21 articles that comment about that.

22 Q. Well, why don't you see.

23 A. (Peruses documents) Well, this article which --
24 well, let me identify this. It's an article on secondary
25 leukemia, and it's from -- each year the American Society

1 of Hematology meets; and a textbook is published, which is
2 the educational booklet; and an expert in that area gives
3 a dissertation. And this is from one of those. It's by
4 Mr. Applebaum, as the lead author.

5 And in talking about people with secondary
6 AML with cytogenetic abnormalities associated with a poor
7 outcome from chemotherapy, he says: "Accordingly, it's
8 not surprising that the overall long-term survival of
9 patients with secondary AML is low. Among 155 patients
10 reported by the MD Anderson group, the median survival was
11 only 3.5 months and fewer than 10 percent were alive in
12 three years."

13 Now, if their median survival was only
14 3.5 months, that meant a lot of them relapsed before
15 3.5 months; so they didn't stay in remission very long.

16 MR. KAESKE: Objection, nonresponsive.

17 Q. (BY MR. KAESKE) That actually doesn't say
18 anything about even achieving remission, does it? That
19 which you just read to us doesn't even talk about
20 achieving remission?

21 A. Well, actually it does, because it goes on to
22 say: "No single form of post-remission chemotherapy has
23 been demonstrated to be superior." In other words,
24 they're talking about the fact that, yes, you can get
25 these people into remission; but you can't keep them

1 there. They want to relapse very promptly.

2 Q. So that we're clear, the question that I asked
3 you that prompted you to look for these medical articles
4 was: I'm looking for an article that says what you said,
5 which is that 20 months of remission versus three months
6 of remission is not indicative of a poor prognosis acute
7 myelogenous leukemia. And so we're specifically now
8 looking now about relapse rates.

9 A. Well, let's see if I can find something. These
10 papers are not specifically designed with that in mind,
11 but I may have another that addresses that. Let's see
12 (peruses documents).

13 Okay. This is from Dr. DeVita's textbook,
14 the Sixth Edition, on Cancer: Principles, published in
15 2001. And he says: "AML that occurs as a consequence of
16 prior cytotoxic therapy or that has developed from an
17 antecedent hematologic disorder, for example,
18 myelodysplastic syndrome, has a particularly poor outcome,
19 with a lower incidence of achieving a complete remission
20 and shorter duration of survival than for patients with
21 de novo AML. Multiple studies have demonstrated the
22 prognostic importance of cytogenetic abnormalities in AML,
23 making this the single most important predictor of
24 outcome." And he goes on to say, as you asked me before,
25 which chromosomes have a good prognosis and which ones

1 have a bad one.

2 So I believe he's answering your question,
3 that people who have a bad prognosis acute leukemia don't
4 go into remission as easily and they don't stay in
5 remission as long. And the ultimate result is a high
6 incidence of death early on.

7 Q. But what you just read, that doesn't say that
8 they don't stay in remission as long, does it?

9 A. Well, I think -- if it doesn't say it, I think it
10 implies it. I will have to look to see if he says -- he's
11 talking about the ability to go into remission.

12 Q. That's right. And that's why it particularly
13 doesn't answer my question. My question was only, Doctor,
14 in response to one of the things that you said. I'm
15 looking for an article that says, as you have said, that
16 people with these poor prognoses don't stay in remission
17 for as long as 20 months.

18 A. Let me look and see. As I say, I always can't
19 anticipate what I might be asked. And so when I pick
20 articles, I have to pick something general; and so they
21 don't necessarily answer a specific point. But I may have
22 one in here that will get to that. Let's see. I know the
23 one I'm looking for here (perusing documents).

24 Well, I don't think that I can -- most of
25 these articles deal with ability to achieve remission and

1 survival. I would have to tell you that I don't have here
2 today articles that show that the length of remission is
3 shorter. Although, I can tell you from 35 years of
4 clinical experience that it is and there are many articles
5 that say that, but I don't have them here today.

6 MR. KAESKE: Objection, nonresponsive.

7 Q. (BY MR. KAESKE) Just as we sit here today, you
8 can't name for me an article in the scientific
9 peer-reviewed literature that shows that length of
10 remission for a poor prognosis for acute myelogenous
11 leukemia is shorter than 20 months?

12 A. That would be true.

13 Q. List for me, if you will, all the reasons you
14 believe that Mr. Minnehan's leukemia is not related to
15 benzene exposure.

16 A. Well, first is if we look at the chromosome
17 abnormality itself. We know that inversion 16 is a rare
18 chromosome abnormality under any circumstances. But in
19 some of these articles, they will look at large numbers of
20 patients with what we call de novo leukemia and what we
21 call therapy-induced or secondary or chemical-induced
22 leukemia, of which I put benzene in that category.

23 The articles suggest that in de novo
24 leukemias, this abnormality appears about some -- around
25 4, let's say 4.3, somewhere around that percent of the

1 time.

2 Q. I don't mean to cut you off. I just want an
3 enumeration of the list of reasons.

4 A. Okay. Well, No. 1 is the particular chromosome
5 defect that he has. No. 2 is the fact that it is an
6 isolated chromosome defect, that it is not seen with other
7 chromosome defects, because typically in chemical-induced
8 chromosome defects of inversion 16, they don't appear as
9 an isolated event. You have an inversion 16 plus three or
10 four other things. So when you have an inversion 16 as a
11 sole abnormality, it is much more likely to be caused by
12 de novo as opposed to chemicals. That's No. 1.

13 No. 2 would be the fact that whereas we have
14 extensive literature for 20-plus years on inversion 16 and
15 many, many cases of de novo leukemia with it, there's not
16 a single case in the world's literature of benzene-induced
17 inversion 16. So we have no real basis to think that
18 benzene could do that. Although I would admit that on a
19 theoretical basis it might, we have no actual evidence
20 that it can.

21 So we've got the chromosome defect. We've
22 got the fact that it's never been in an isolated form.
23 We've got the fact that it's never been described after
24 benzene. And then we've got the fact of the occupation
25 and the allegation.

1 As best I can determine it, Mr. Minnehan was
2 a refinery worker, a pipefitter, a boilermaker, just like
3 many of his peers in the areas that he worked and just
4 like many of his peers around the country in other
5 refineries. And when you look at epidemiologic studies of
6 refinery workers from around the country, there isn't any
7 increased incidence of acute leukemia and hasn't been for
8 many, many years. So I would have to think that whatever
9 he got exposed to, his fellow workers got exposed to it,
10 too, in the same doses in his refineries and everybody
11 else's refineries. And if that exposure was enough to
12 cause acute leukemia, we would see a high incidence of it
13 in other like-refinery workers; and we don't. So what
14 that tells me is he's not any different than anybody else
15 in his refinery, and there would be no reason for me to
16 think that he had enough exposure to cause acute leukemia.
17 Those would be generally the most important reasons I
18 could cite.

19 Q. So I've got three reasons: One, you've never
20 seen chromosome 16 associated with a secondary leukemia?

21 A. Well, I haven't seen it in the literature --
22 excuse me. I've seen chromosome 16 with secondary
23 leukemia, yes; not from benzene. And where we've seen it
24 from secondary leukemia --

25 Q. Hold on. So the first thing is you've never seen

1 a report of a benzene-related secondary leukemia -- sorry.
2 You've never seen a report of a benzene-related chromosome
3 16 inversion, correct?

4 A. Correct.

5 Q. And so that's the first reason it's not
6 benzene-related?

7 A. You could say one. I don't know which one you'd
8 put the most weight on, but that's a reason.

9 Q. Okay. Then the second reason is because he's
10 only got 16 inversion by itself, not with others -- not
11 with other aberrations, correct?

12 A. Yes.

13 Q. Then the third one is, you don't see there to be
14 an increased incidence of leukemia -- of acute myelogenous
15 leukemia among refinery workers; is that right?

16 A. Of the type that he has, yes, that's correct.

17 Those three, I think, are all important
18 things. And they enabled me to say -- and as I've said
19 before -- in any one case of leukemia, one can never be
20 certain about causation. But one can easily say that more
21 likely than not, it is not caused by chemical exposure
22 because of those reasons.

23 MR. KAESKE: Object as nonresponsive.

24 Q. (BY MR. KAESKE) I just want to make sure that
25 I've got the entire list.

1 A. You've got the list.

2 Q. Well, but I need to have the list with the
3 answers to the questions, and then we can proceed.

4 A. Okay.

5 Q. First is that you've never seen a report in the
6 scientific literature of chromosome 16 and benzene
7 exposure, correct?

8 A. Of inversion 16 in benzene exposure, correct.

9 Q. All right. Second is some problem you have with
10 the fact that he's got inversion 16 all by itself, as
11 opposed to with other problems --

12 A. Correct.

13 Q. -- with chromosomes, correct?

14 MR. DILLARD: Object to the form.

15 A. Yes.

16 Q. (BY MR. KAESKE) And the third thing is that you
17 haven't seen any increased incidence of acute myelogenous
18 leukemia among refinery workers, correct?

19 A. Well, the bulk of the studies of refinery workers
20 do not suggest an increase.

21 Q. All right. Do you have any particular opinions
22 about Mr. Minnehan's particular exposure?

23 A. You mean how many parts per million or whatever
24 he got exposed to?

25 Q. No, not necessarily. Although if you have an

1 opinion about that, then I want to know about it.

2 A. Okay.

3 Q. I presume you do not.

4 A. I do not. I don't know what he was exposed to.

5 Q. Fair enough.

6 What I meant was -- and, look, if I can just
7 kind of speak freely for a second, what I understood your
8 third thing to be was, basically: When you look at
9 like-associated workers, you don't see -- when you look at
10 like occupations, you don't see an increase in acute
11 myelogenous leukemia. That's not an exposure-based
12 statement. It is just a statement about epidemiologic
13 studies in this occupation.

14 And so now my question is: Is there
15 something specific that you're going to say about
16 exposure, or his particular exposure; or are you just
17 going to rely on your understanding of the refinery worker
18 studies?

19 MR. DILLARD: Object to form.

20 A. Just my understanding of the refinery worker
21 studies as they've been published.

22 Q. (BY MR. KAESKE) Okay. Fair enough.

23 And in part, that's because you don't know
24 what Mr. Minnehan's exposure to benzene was, correct?

25 MR. DILLARD: Object to form.

1 A. I don't know what his exposure to benzene was.

2 Q. (BY MR. KAESKE) Are there any other reasons that
3 you have for your opinion that Mr. Minnehan's exposure was
4 not benzene -- sorry -- that Mr. Minnehan's acute
5 myelogenous leukemia was not benzene related?

6 A. No. Those would be the important things that I
7 said.

8 Q. You will agree with me, won't you, that all of
9 Mr. Minnehan's treatment since October 24th of the year
10 2000 has been related to his acute myelogenous leukemia,
11 correct, or its effects?

12 MR. DILLARD: Object to form.

13 A. Well, generally speaking, that's true. I mean,
14 you could look at certain specifics. For example, he had
15 to have his spleen removed because he had what we call
16 ITP. That may or may not have been related to his acute
17 leukemia, but he did undergo a splenectomy.

18 Q. (BY MR. KAESKE) Well, he underwent a splenectomy
19 because he had ITP specifically because of the disease
20 process that he's been withstanding since the year 2000,
21 right?

22 A. Not necessarily. I do lots of splenectomies for
23 ITP patients who don't have a acute leukemia. That can
24 occur in many different settings. So I am not a hundred
25 percent certain that it was related to the acute leukemia,

1 but it was certainly part of his treatment program.

2 Q. Are you going to give an opinion that ITP and his
3 subsequent splenectomy was not related to his disease
4 process in general, whether it be his acute myelogenous
5 leukemia or his graft-versus-host, or any of the rest of
6 that?

7 A. I can't give an opinion on that because I don't
8 have enough detail. I only have the notes that are in the
9 chart, that they were taking out his spleen because he had
10 a low platelet count and they thought that it would
11 improve.

12 Q. As an answer to my specific question: Are you
13 going to give an opinion in this case that his splenectomy
14 or his ITP were not related to his disease process or his
15 graft-versus-host disease?

16 A. No.

17 Q. Are you going to give an opinion in this case
18 that any of the medical treatments that he has underwent
19 since October 24th, 2004 [sic], are not related to his
20 acute myelogenous leukemia or his graft-versus-host
21 disease?

22 A. No.

23 Q. Have you spoken with any of his treating
24 physicians in this case?

25 A. No.

1 Q. Have you spoken with anyone, other than the
2 lawyers, about this case?

3 A. No.

4 Q. All right. Have you seen -- the treatments that
5 you have seen that are in these medical records -- and by
6 the way, have you been given, as far as you know, all of
7 the medical records from Mr. Minnehan from the year 2000
8 until today?

9 A. Well, the records speak for themselves. I'm not
10 sure what the most recent date was on those records. I'm
11 sure that since he's continuing to get care, there would
12 be some records that I don't have there.

13 MR. KAESKE: Do y'all know how up-to-date
14 the medical records are you've given him?

15 MR. PERRY: I don't.

16 MR. KAESKE: When was the last time you sent
17 him medical records?

18 MR. PERRY: I'm not sure.

19 Q. (BY MR. KAESKE) Doctor, when was the last time
20 you received medical records?

21 A. It's been some time ago, and I -- for example,
22 obviously I have records. I made some notes here and I
23 have records up through 4-29-04, but that's six months
24 ago, I guess. And I don't know that I had any notes since
25 that particular time.

1 Q. You would agree that all of the treatment that
2 Mr. Minnehan has received over at MD Anderson since
3 October of 2000 has been reasonable and necessary for the
4 treatment of his acute myelogenous leukemia --

5 A. Yes.

6 Q. -- and its complications, right?

7 A. Yes.

8 Q. Have you been provided any bills?

9 A. I don't believe there are any bills in that
10 material.

11 Q. Okay. Whatever the bills are that have been
12 associated with the treatments that he had, those costs
13 would also be reasonable and necessary costs associated
14 with the treatments that he's received at MD Anderson for
15 his acute myelogenous leukemia or its complications,
16 correct?

17 MR. PERRY: Object to form.

18 A. Yes.

19 Q. (BY MR. KAESKE) Are you going to give any
20 opinions in this case about -- I just want to ask this one
21 or two last questions about this: Are you going to give
22 any opinions in this case about what exposure levels of
23 benzene are required to cause acute myelogenous leukemia?

24 A. If asked, yes. I can only cite what the
25 literature tells me.

1 Q. But you don't have an opinion in this case that
2 Mr. Minnehan's exposure was not enough to have caused his
3 acute myelogenous leukemia, correct?

4 A. I don't know what his exposure was.

5 Q. Okay. So let's see if we can get a "yes" answer
6 to my question, then.

7 A. Okay.

8 Q. You do not have an opinion that Mr. Minnehan's
9 exposure to benzene, whatever it was, was not enough to
10 cause his acute myelogenous leukemia, correct?

11 A. Well, indirectly I think I've said that because I
12 said that were he to have had enough exposure to cause
13 acute leukemia, so would many of his coworkers in his
14 refineries and many other refineries. And because I don't
15 see that, that tells me that whatever exposure he had, it
16 was below those limits.

17 MR. KAESKE: Object as nonresponsive.

18 Q. (BY MR. KAESKE) Are you going to give the
19 opinion that Mr. Minnehan's exposure to benzene, whatever
20 it was, was not enough to cause his acute myelogenous
21 leukemia?

22 A. Yes.

23 Q. Will you agree with me that benzene-induced acute
24 myelogenous leukemia is not a disease that is caused by
25 achieving some amount of cumulative dose to benzene?

1 MR. DILLARD: Object to form.

2 A. Well, I'm not certain. Are you asking that one
3 has to achieve a particular dose? Is that what you're
4 asking?

5 Q. (BY MR. KAESKE) Not exactly.

6 A. What --

7 Q. Let's take lead, for example.

8 A. All right.

9 Q. You get sick when you get a certain amount of
10 lead in your body, right?

11 A. Yes.

12 Q. Benzene is not that kind of disease where you get
13 sick when you get a -- well, acute myelogenous leukemia
14 and benzene is not that kind of disease where you get a --
15 you hit a certain threshold and then you get acute
16 myelogenous leukemia, correct?

17 MR. DILLARD: Object to form.

18 A. Well, it's certainly not akin to lead poisoning.
19 In general, the studies suggest that one can relate the
20 cumulative dose to benzene along with the risk of catching
21 leukemia.

22 MR. KAESKE: Object as nonresponsive.

23 Q. (BY MR. KAESKE) Sir, I'm not talking to you
24 now about -- just so that you're clear, I'm not at all
25 talking to you about risk. I don't even want to know

1 about risk. I don't even want to consider risk.

2 A. Okay.

3 Q. I want to talk about biologically how the disease
4 is caused, okay? When we talk about biologically how
5 acute myelogenous leukemia is caused by benzene exposure,
6 it is not a disease that is caused by achieving a certain
7 amount of benzene toxicity in the blood or in the body
8 before you can achieve acute myelogenous leukemia from
9 benzene exposure, correct?

10 MR. DILLARD: Object to form.

11 A. I think that would be true, yes.

12 Q. (BY MR. KAESKE) All right. I want to take a
13 break for a second; and then I want to see whether or not
14 I have any more questions to ask you. Would that be all
15 right?

16 A. Certainly.

17 Q. Thanks.

18 (Ten-minute recess taken)

19 Q. (BY MR. KAESKE) Would you read those notes into
20 the record, please?

21 A. Sure. It starts out: "David Minnehan, 5/30/58,
22 051-54-4729. Oil field worker-pipefitter-boilermaker
23 supervisor. 10/2000 in Alaska, chest pain, pancytopenia."

24 "10/27/2000, bone marrow, AML-M-4. Bone
25 marrow, 64 percent blasts, inversion 16 defect.

1 Peroxidase, positive; platelet count, 110,000; white
2 cell count, 3000; hemoglobin, 13.9."

3 "Initial treatment, Fludarabine and Cytosar"
4 -- and that's F-l-u-d-a-r-a-b-i-n-e and Cytosar,
5 C-y-t-o-s-a-r -- "14 courses of chemotherapy. Relapsed
6 summer of 8/2002. Re-induced with Fludarabine, Cytosar."

7 "Deep vein thrombosis of arms, left axillary
8 vein thrombosis. On Lovenox," L-o-v-e-n-o-x.

9 "Normal bone marrow chromosomes
10 post-therapy."

11 "Splenectomy for ITP, 8/7/03."

12 "Note says still smoking in 10/27/03,
13 40-pack history."

14 "2/6/04, normal bone marrow chromosomes."

15 "2/3/04, receiving Tacrolimus,"
16 T-a-c-r-o-l-i-m-u-s, "Prednisone, and Rapamycin,"
17 R-a-p-a-m-y-c-i-n."

18 "4/29/04, positive Coombs' test." That's
19 C-o-o-m-b-s apostrophe. "LDH, 701 (normal range 313 to
20 618)."

21 Q. Are those all the notes that you've got on that
22 page?

23 A. Yes.

24 Q. Do you have an opinion about Mr. Minnehan's
25 prognosis?

1 A. Well, I don't -- I think we would have to say his
2 prognosis is uncertain at this point. That would be the
3 best I could do in terms of the likelihood of his relapse.

4 Q. What about in the terms of the likelihood of his
5 survival from the graft-versus-host disease?

6 A. Well, I think that it's probably unlikely that he
7 will die of graft-versus-host disease. I think his threat
8 would be on a relapse of the leukemia.

9 Q. Do you know what the status of his
10 graft-versus-host disease is now?

11 A. No.

12 Q. You have previously testified, and it's certainly
13 your opinion, that graft-versus-host disease can be a
14 fatal condition, right?

15 A. Yes, it can.

16 Q. Or that it can lead to other fatal conditions?

17 A. Yes.

18 Q. Have you read Dr. Gardner's deposition in this
19 case?

20 A. Yes.

21 Q. Do you have any criticisms of
22 Dr. Gardner's testimony in this case?

23 A. You would have to pick a specific thing.
24 Dr. Gardner said many things in the deposition, and it
25 might well be that there are some things that I don't

1 agree with.

2 Q. Did you take any notes when you read his
3 deposition?

4 A. No. I may have scribbled something on the front
5 cover. I believe that was his deposition that I said
6 something because he made a comment about smoking.

7 Q. What does that say?

8 A. It says: "See 43." And let's see what --

9 Q. And then what are the words it says after that?

10 A. "Acute phase reactant."

11 And let's see what I was dealing with on
12 page 43. Oh, yes. What that had to do with, his serum
13 ferritin. That's f-e-r-r-i-t-i-n. And the serum ferritin
14 is generally a reflection of how much iron is in the body
15 in most people. But when you have an inflammatory state
16 like graft-versus-host disease, it often goes sky-high and
17 you lose that relationship. And so what he was saying is
18 that his ferritin is 4400 and that that means he's got a
19 lot of iron overload.

20 I don't believe that to be the case because
21 in this setting, it's totally inaccurate for that reason.
22 Rheumatoid arthritis patients may have levels of 15,000
23 and not have high iron levels. So I think that one can't
24 look at his ferritin level and accurately tell his degree
25 of iron overload. You would have to do it by getting a

1 CAT scan or MRI and looking at the liver.

2 Q. Do you know whether or not Mr. Minnehan has been
3 treated because of his high iron levels?

4 A. I have no idea.

5 Q. So you don't know what his treating physicians
6 believe about that point that you just made?

7 A. Correct.

8 Q. Any other criticisms of Dr. Gardner?

9 A. He let's see. It says "pages 38 through 39." I
10 think it was the same thing. In other words, he had a
11 liver biopsy and there was no evidence that he had iron
12 overload from that and that led into the discussion on the
13 ferritin levels. So that was part and parcel of that same
14 comment.

15 "Pages 71 through 72, smoking." I don't
16 know why I cited that. I mean, it had to do with smoking;
17 but there's nothing that he said that I notice I would
18 take any issue with on that page.

19 Q. All right.

20 A. And page 80 is the next page. Well, yes, here on
21 page 80, what he is talking about is the fact that in
22 certain leukemias, you don't find any chromosome changes.
23 He states over here: "Now with the FISH you can find
24 abnormalities in almost 90 percent."

25 So it's a moving database. And the

1 implication is that, well, if we did FISH studies on
2 people who had normal chromosomes in leukemia, we would
3 find all their abnormalities. And, actually, there's
4 extensive literature on that, some of which I have here,
5 that says that's not true. And so I would disagree with
6 the statement that when we have a person with normal bone
7 marrow chromosomes, we can simply do a FISH analysis and
8 find the defects.

9 Q. Anything else that you disagree with him about?

10 MR. DILLARD: Well, he said you would have
11 to ask him specific questions.

12 A. Yeah. I mean, it's conceivable that there's
13 something else. But, I mean, those -- I made some notes
14 about those things. But I don't know what else -- you
15 know, it's been some time since I read his deposition; and
16 I don't know what else he said in there that I might
17 disagree with him on.

18 Q. (BY MR. KAESKE) Do you agree or disagree with
19 him that Mr. Minnehan is likely to develop or ultimately
20 have osteoporosis?

21 A. I don't know. I wouldn't have any comment about
22 that. I don't know if he will or will not.

23 Q. Do you have an opinion as to whether or not he
24 will ultimately be diagnosed with diabetes?

25 A. I don't have an opinion in the sense that it

1 depends on how long he has to remain on these drugs for
2 graft-versus-host disease. And that's an uncertainty
3 because his graft-versus-host disease could get better.
4 It could get worse. It could stay the same. And those
5 kinds of complications -- the osteoporosis, the diabetes,
6 and so on -- all relate to the duration that one has to be
7 on the steroids and the immunosuppressor drugs for
8 graft-versus-host disease, and we don't -- so I can't give
9 an answer to that.

10 Q. Well, has he been on them long enough now to lead
11 to some future problem like we've just discussed?

12 A. I don't think so.

13 Q. What about potential future renal failure?

14 A. Again, that relates to how long you have to stay
15 on these drugs. We know, for example -- and what he's
16 probably referring to is when we use drugs like
17 Cyclosporine, which is often used in graft-versus-host
18 disease. Depending on how much you give and how long you
19 give it, it can be toxic to the kidneys. And so if you
20 monitor the blood levels and the kidney function starts to
21 be impaired, you try to reduce the levels. Again, all of
22 that depends on how long will he need to be on these
23 drugs. So I don't know if he will or won't get any
24 problems with his kidneys.

25 Q. Well, how much longer do you think he needs to be

1 on these drugs before he's going to develop these problems
2 that we've talked about?

3 A. I don't -- well, I'm not certain I know the
4 question. I think you're referring to how long does it
5 take to get kidney abnormalities from Cyclosporine or
6 these kinds of drugs?

7 Q. Yeah, or any of these things. You said you don't
8 know whether the duration is long enough. How long of a
9 duration are you looking for?

10 A. Well, I would think that you have to be on it for
11 several years. Of course, you can acutely get damage if
12 you shoot your levels up very high; renal failure can
13 occur very quickly. But in most people, if you're
14 watching the levels and monitoring the kidney function, it
15 would be many years. I have one patient I'm seeing right
16 now who has got a kidney transplant, and she's been on
17 those drugs for about ten years and hasn't had any major
18 complications. But that's one person. In other words,
19 it's different for different people. It certainly has the
20 potential to damage the kidneys.

21 MR. KAESKE: Object as nonresponsive.

22 Q. (BY MR. KAESKE) I don't have any other
23 questions. Thanks.

24 MR. DILLARD: Thank you, sir.

25 MR. PERRY: Reserve all questions.

1 (Exhibits 1 and 2 marked)

2 MR. KAESKE: Before we leave, I'd just like
3 to read into the record the depositions that we've got
4 here. This is the deposition of Dr. Raabe, R-a-a-b-e;
5 Dr. Egilman, E-g-i-l-m-a-n; Dr. Mehlman, M-e-h-l-m-a-n;
6 Dr. Irons; Mr. Minnehan; John Spencer.

7 And actually, Doctor, before we leave, I do
8 need to ask you one more question.

9 THE WITNESS: Okay.

10 MR. KAESKE: Dr. Beran, B-e-r-a-n; and
11 Dr. Nicas. And that appears to be it.

12 Q. (BY MR. KAESKE) You don't have any notes in this
13 case other than that one page of handwritten notes that
14 you've got right there?

15 A. That's correct.

16 Q. Is that right?

17 A. And these, of course, copies of particular pages
18 from the medical records.

19 Q. All right. And you haven't taken any notes when
20 you read any of the depositions?

21 A. No. That's correct.

22 And this deposition, do you want it as part
23 of this or back to that? It's Dr. Gardner's.

24 Q. Well, I think you read all the notes on there
25 into the record, didn't you?

1 A. Yes.

2 Q. Then that will be fine. Thanks.

3 (Proceedings concluded at 12:40 p.m.)

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1	CHANGES AND SIGNATURE		
2	PAGE	LINE	CHANGE
3			REASON
4			
5			
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25	ETHAN NATELSON, MD		

1 I, ETHAN NATELSON, MD, have read the foregoing
 2 deposition and hereby affix my signature that same is true
 3 and correct, except as noted above.

4
 5
 6 _____
 ETHAN NATELSON, MD

7
 8
 9 THE STATE OF _____)
 10 COUNTY OF _____)

11
 12 Before me, _____, on this day
 13 personally appeared ETHAN NATELSON, MD, known to me or
 14 proved to me on the oath of _____ or through
 15 _____ (description of identity card or other
 16 document) to be the person whose name is subscribed to the
 17 foregoing instrument and acknowledged to me that he/she
 18 executed the same for the purpose and consideration
 19 therein expressed.

20 Given under my hand and seal of office on this
 21 _____ day of _____, _____.
 22

23 _____
 NOTARY PUBLIC IN AND FOR
 24 THE STATE OF _____

25 My Commission Expires: _____

1 CAUSE NO. 21916*JG02

2 DANIEL T. MINNEHAN, Individually) IN THE DISTRICT COURT OF
and as Next Friend for)

3 Joshua Stephen Minnehan and)

Kyle Marshal Minnehan)

4)
Plaintiff,)

5 vs.) BRAZORIA COUNTY, TEXAS

6 AMERICAN OIL COMPANY,)

7 Individually and f/k/a Amoco)

Oil Company and Amoco, Inc.;)

8 et al.,)

9 Defendants.) 239th JUDICIAL DISTRICT

10
11
12 REPORTER'S CERTIFICATE

13 ORAL DEPOSITION OF ETHAN NATELSON, MD

14 OCTOBER 15, 2004

15
16 I, Susan A. Love, Certified Shorthand Reporter in and
17 for the State of Texas, hereby certify to the following:

18 That the witness, ETHAN NATELSON, MD, was duly sworn
19 and that the transcript of the deposition is a true record
20 of the testimony given by the witness;

21 That the deposition transcript was duly submitted on
22 _____ to the witness or to the attorney for
23 the witness for examination, signature, and return to me
24 by _____;

25

1 That pursuant to information given to the deposition
2 officer at the time said testimony was taken, the
3 following includes the amount of time used by each party
4 at the deposition and all parties of record:

5 Mr. Michael L. Kaeske, Jr., Attorney for
Plaintiff, (1:20);

6 Mr. Stephen C. Dillard, Attorney for Defendant
Phillips Petroleum Company, Individually and a/k/a
7 Phillips Oil Company, Phillips Chemical Company and
Phillips 66 Company, (0:00);

8 Mr. Stan Perry, Attorney for Defendant Shell Oil
Company, (0:00);

9 Mr. James B. Galbraith, Attorney for Defendants
American Oil Company, Amoco Chemical Company, Amoco
10 Corporation, Amoco Oil Company, BP Amoco Corporation, BP
Chemicals, Inc., (0:00);

11
12 That a copy of this certificate was served on all
13 parties shown herein on _____ and filed with the
14 Clerk.

15 I further certify that I am neither counsel for,
16 related to, nor employed by any of the parties in the
17 action in which this proceeding was taken, and further
18 that I am not financially or otherwise interested in the
19 outcome of this action.

20 Further certification requirements pursuant to
21 Rule 203 of the Texas Code of Civil Procedure will be
22 complied with after they have occurred.

23
24
25 *****

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

Susan A. Love, CSR
Texas CSR No. 5183
Expiration: 12/31/2004
Henjum Goucher Reporting Services
12621 Featherwood Drive, Suite 140
Houston, Texas 77034
Phone: (281) 464-6000
Fax: (281) 464-7733