

IN THE UNITED STATES DISTRICT COURT
FOR THE SOUTHERN DISTRICT OF TEXAS
GALVESTON DIVISION

FLOYD L. CHAMBERS, ET UX,
VS.
MONSANTO CHEMICAL COMPANY ET AL.

C. A. NO. G-S9-306

INSTANT ACCESS TRANSCRIPTION

VIDEO DEPOSITION OF:
RICHARD D. IRONS, PH.D.
FEBRUARY 4, 1991

COPY

2
1
2
3 INDEX
4
5 VIDEO DEPOSITION OF RICHARD D. IRONS
6 FEBRUARY 4, 1991
7
8
9 Direct Examination-Mr. Galbraith 8
Examination-Mr. Barrie 58
10 Examination-Mr. Scott 140
Re-Examination-Mr. Barrie 193
11 Re-Examination-Mr. Scott 199
Re-Examination-Mr. Barrie 201
12
13
14 EXHIBIT INDEX
15
16 Exhibit No. Description Marked
18 Irons 1 Curriculum Vitae of
19 Richard D. Irons 21
20 Irons 2 Looseleaf notebook entitled
"Chronic Lymphocytic Leukemia"
21 containing various excerpts
of publications 36
22 Irons 3 Handwritten tabulation of
23 Mr. Chambers' blood counts
back to May 9, 1967 51
24 Irons 4 Graph - Doubling Time
Projection for Mr. Chambers 53
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

3
1 Irons 5 "Chambers Data," Doubling
2 Time Calculation 54
Irons 6 Schematic diagram of normal
3 blood cell development 149
4 Irons 7 12/11/90 letter from Robert
Scott to Dr. Richard Irons 193
5 Irons 8 Year/Weight List 193

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

WORLDWIDE COURT REPORTERS, INC.
HOUSTON(713)651-1100/BEAUMONT(409)833-0016

4

1 VIDEO DEPOSITION OF RICHARD D. IRONS,
2 Ph.D., called as a witness by Defendant Marathon
3 Petroleum Company, taken before Emanuel A.
4 Fontana, Jr., Certified Shorthand Reporter in and
5 for the State of Texas, in the law offices of
6 Goforth & Lewis, 2200 Texaco Heritage Tower, 1111
7 Bagby, Houston, Texas, on the 4th day of
8 February, 1991, beginning at 10:12 a.m., pursuant
9 to Notice, the Federal Rules of Civil Procedure
10 and the following stipulation and waiver of
11 counsel:

12

13

14 A P P E A R A N C E S

15

16

17 Mr. Martin D. Barrie, of the law firm of
18 Messrs. Umphrey, Burrow, Reaud, Williams &
19 Bailey, 8441 Gulf Freeway, Suite 600, Houston,
20 Texas, 77017-5001, appearing for the Plaintiffs.

21

22 Mr. James B. Galbraith, of the law firm
23 of Messrs. McLeod, Alexander, Powel & Apffel, 802
24 Rosenberg, Galveston, Texas, 77553, appearing for
25 Defendant Marathon Petroleum Company.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

5

1 Mr. Joe G. Hollingsworth and Ms. Evelyn
2 J. Pulliam, of the law firm of Messrs. Spriggs &
3 Hollingsworth, 1350 I Street, N.W., Washington,
4 D.C., 20005-3305, appearing for Defendant
5 Marathon Petroleum Company.

6

7 Mr. Robert P. Scott, of the law firm of
8 Messrs. Sewell.& Riggs, 333 Clay Avenue, Suite
9 800, Houston, Texas, 77002, appearing for
10 Defendant Monsanto Chemical Company.

11

12 Mr. Daniel O. Goforth, of the law firm
13 of Goforth & Lewis, 2200 Texaco Heritage Plaza,
14 1111 Bagby, Houston, Texas, 77002, appearing for
15 Defendant Monsanto Chemical Company.

16

17 ALSO PRESENT:

18 Mr. Steve Schuller, Videographer

19 Mr. Stephen L. Moll

20

21

22

23

24

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

6
1 IT IS STIPULATED and agreed by and
2 between counsel for the respective parties hereto
3 that the deposition of the witness named-in the
4 caption hereto may be taken at this time and
5 place before the officer named in the caption
6 hereto; that said deposition, or any part
7 thereof, when so taken, may be used on the trial
8 of this case with the same force and effect as if
9 the witness were present in court and testifying
10 in person;
11 THAT the necessity for preserving
12 objections at the time of taking is waived, and
13 that any and all legal objections to this
14 deposition, or any part thereof, may be urged at
15 the time same is sought to be offered in evidence
16 on the trial of this cause; except, however, that
17 objections to the form of the question and/or
18 responsiveness of the answer must be made at the
19 time of taking, or else such objections are
20 waived;
21 THAT the original of this deposition
22 shall be presented to Mr. Galbraith, who shall in
23 turn submit it to the witness for his examination
24 and signing and, thereafter, shall return same to
25 the officer taking this deposition;
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

7
1 THAT if the signed original is not
2 presented to Mr. Galbraith prior to the time of
3 trial, a copy may be used in lieu thereof.
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

8
1 RICHARD D. IRONS; Ph.D.,
2 was called as a witness by Defendant Marathon
3 Petroleum Company and, being first duly sworn,
4 testified as follows:
5
6 DIRECT EXAMINATION
7 QUESTIONS BY MR. GALBRAITH:
8
9 Q. Can you please tell your name, please,
10 sir?
11 A. Richard D. Irons.
12 Q. How old a man are you, sir?
13 A. I'm 43.
14 Q. Where do you live?
15 A. Boulder, Colorado.
16 Q. Dr. Irons, you understand that you're
17 called upon to give your testimony in a
18 deposition here today in a lawsuit that's been
19 filed against my client, Marathon, and Union
20 Carbide and Monsanto --
21 A. Yes, sir.
22 Q. -- three chemical plants?
23 And you have been contacted by us,
24 attorneys for Marathon in this lawsuit, to give
25 your opinions based upon your review of certain
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

9
1 documents and your experience in this field?
2 A. That's correct.
3 Q. Tell us about your -- well, I guess what
4 I want to ask now is: What is your field? And
5 tell me about your background, your educational
6 background and your present employment.
7 A. Well, I'm a toxicologist. I am Director
8 of the Molecular Toxicology and Environmental
9 Health Sciences Program at the University of
10 Colorado Health Sciences Center. I have a Ph.D.
11 in Toxicology from the University of Rochester,
12 in Rochester, New York. And I have spent the
13 last 14 years studying the mechanisms of toxicity
14 to the blood and bone marrow primarily associated
15 with benzene and the mechanisms of benzene
16 leukemogenesis.
17 Q. Okay. First of all, let me back you up
18 there. You said Director of the Department of
19 Molecular Tech -- Toxicology. Excuse me.
20 Tell me what that entails.
21 A. Well, the Molecular Toxicology Program
22 at the University of Colorado is a program that
23 involves research, teaching and service
24 associated with toxicology and environmental
25 health. It is based in the School of Pharmacy,
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

10

1 and I have appointments as Professor of
2 Toxicology in the School of Pharmacy and as
3 Professor of Pathology in the School of
4 Medicine.

5 The major teaching obligations we have
6 are to train Ph.D. level toxicologists, to
7 provide teaching in toxicology for individuals
8 training in epidemiology. This will be graduate
9 students and/or clinicians and these that wish.
10 additional training in occupational health and
11 epidemiology.

12 And we provide teaching and training in
13 toxicology for graduate students undergraduate
14 students in pharmacy, and medical students.

15 Q. Okay. Teaching students of medicine as
16 well as students of pharmacy and pharmacology?

17 A. Yes, sir. 18 Q. In addition to your -- you said three
19 things, research, teaching and service --

20 A. Yes. 21 Q. -- were involved in your position as
22 Director of Molecular Toxicology and
23 Environmental Health Sciences.

24 Tell me what-you mean when you say your
25 position involved service, first of all.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

11

1 A. Oh, the service we provide involves a
2 variety of different activities. It involves
3 providing expertise to the public and state
4 agencies related to environmental health issues n
5 toxicology, to the Department of Health, to the
6 Governor's office on issues of concern to the
7 public and Government agencies related to
8 environmental health within the State.
9 It involves providing, actually,
10 expertise for the University, in terms of meeting
11 its commitments with respect to occupational and
12 environmental health.

13 Q. Okay. And research, the third leg of
14 your --

15 A. Yes. 16 Q. -- involvement, tell me about that.
17 What does that involve?

18 A. The program itself has very broad-based
19 research activities in the general area of
20 toxicology, with an emphasis on mechanisms of
21 toxicity, how chemicals cause adverse effects.
22 My own research program involves three
23 major areas. First and foremost, again, is an
24 extension of the work I've done for the past 14
25 years or so studying the role of the regulation

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

12

1 of stem cells in the development of diseases of
2 the blood, principally leukemia.

3 Stem cells are the cells that are
4 responsible for making all of our blood cells,
5 and they are intimately involved in a variety of
6 processes, both normal and abnormal. And a -- a
7 good part of my work involves studying the
8 alterations that occur in stem cells following
9 exposure to toxic agents, be they chemical, such
10 as benzene, or drugs.

11 I have two other programs that I'm
12 currently working on. One involves, again,
13 characterizing changes in the blood-forming
14 organs that are associated with extended space
15 flight. It's been found that -- that the immune
16 system and the blood system is susceptible to
17 alterations that are associated with conditions
18 in space that are of importance to understand in
19 the design of future extended space missions.
20 Another area that, again, is related
21 involves developing strategies for the
22 purification, separation of stem cells from
23 patients for use in bone marrow transplantation.
24 And that's another area that we're actively
25 working on at the present time.

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

13

1 Q. All right. How long have you been the
2 Director of the Department of Molecular
3 Toxicology and Environmental Health Sciences at
4 the University of Colorado Health Sciences
5 Center?

6 A. It's a program technically, not a
7 department.

8 For the last slightly over two years.

9 Q. Okay. Now, you mentioned that your
10 research regarding cause of diseases, in terms of
11 chemical causes of diseases, has gone on for
12 longer than that.

13 A. Yes, sir.

14 Q. Tell me what preceded your directorship
15 of the department -- of the Program of Molecular
16 Toxicology and Environmental Health Sciences.

17 A. Well, following my graduation from the
18 Toxicology Program at Rochester, I was a fellow
19 in the Department of Pathology at Strong Memorial
20 Hospital in Rochester, New York. And there I
21 trained in general pathology, immunopathology and
22 hematopathology.

23 General pathology is pathology -
24 general pathology, pathology covering a wide
25 range of topics, nothing in particular.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

14

1 Q. Pathology, in your terms, meaning
2 exactly what?

3 A. The pathogenesis of disease. The
4 structural and functional alterations that occur
5 in the body and the body tissues, as a function
6 of disease in the progression of disease.
7 In addition, I trained, in terms of
8 specialty, in immunopathology and
9 hematopathology, which is pathology that is
10 special -- a specialized area of pathology that
11 deals with diseases of the immune system and the
12 blood-forming organs.

13 I then went to the Chemical Industry
14 Institute of Toxicology in Research Triangle
15 Park, North Carolina, where, for 12 years, I was
16 responsible for and directed studies to
17 understand the mechanisms of benzene toxicity in
18 particular, as well as the effects of a variety
19 of other compounds on the blood and the immune
20 system.

21 Q. Okay. You mentioned that you had your
22 doctorate degree, Ph.D., in pharmacology and
23 toxicology.

24 A. Toxicology. 25 Q. Okay. Tell me, what preceded that, in
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

15

1 terms of education? You don't just jump out
2 there and get your Ph.D. as your first degree.
3 What preceded?

4 A. I obtained my bachelor's degree from
5 Raymond College, University of the Pacific in
6 Stockton, California, where I specialized in
7 liberal arts, chemistry and political science.
8 I then obtained advanced training and
9 certification in medical technology and
10 diagnostic hematology, laboratory diagnostics,
11 before going to the University of Rochester.

12 Q. All right. At the Chemical Industry
13 Institute of Tech -- Toxicology, tell me what
14 that organization is, first of all, in Research
15 Triangle Park, North Carolina.

16 A. It's a nonprofit institute that is
17 dedicated to research and training in
18 toxicology. It was founded by the chemical
19 industry as an institute that could provide basic
20 mechanistic, basic research studies on mechanisms
21 of toxicity of hazardous agents and initially was
22 funded solely by the chemical industry.
23 It's now diversified in its support and
24 is, as I understand it today, funded from a
25 variety of different sources, including Federal

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

16

1 Government, industry, and what have you.

2 Q. The purpose is solely to do scientific
3 research?

4 A. Yes, sir. 5 Q. Okay. And while you were there, your
6 area of scientific research included what?

7 A. As I said before, my principal area of
8 research involved studying the mechanisms of
9 toxicity to the blood and bone marrow, primarily
10 associated with benzene, as well as a variety of
11 other compounds and chemicals that produce
12 effects on the blood and immune system.

13 Q. Looking at how benzene acts on blood and
14 bone marrow?

15 A. Yes, sir. 16 Q. Okay. Do you have any particular
17 licenses or board certifications within your
18 field?

19 A. I'm certified in medical technology, and
20 I'm also board certified by the American Board of
21 Toxicology.

22 Q. Tell me what it means to be board
23 certified by the American Board of Toxicology.

24 A. The American Board of Toxicology is an
25 organization that reviews the academic and

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

17

1 training credentials of toxicologists and
2 administers a board examination to determine
3 their qualifications to practice toxicology.

4 Q. How long have you been certified, board
5 certified?

6 A. I was certified in, I believe, 1981, so
7 ten years.

8 Q. Okay. Tell me about some of the
9 Government activities or Government -- Government
10 programs you have been involved in, please.

11 A. Well, I have had considerable experience
12 in what we call the peer-review process, which is
13 the process whereby scientists review the
14 scientific merit of other scientists' research
15 proposals that are submitted to various agencies
16 for consideration for funding, grants, if you
17 will.

18 I've served on a number of Government
19 bodies that are responsible for reviewing these
20 applications. For example, I've served as
21 Chairman of the NIH Toxicology Study Section.

22 This is the -

23 Q. First of all, excuse me. What is the
24 NIH?

25 A. National Institutes of Health.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

18

1 Q. Okay. 2 A. The National Institutes of Health is --
3 is probably the single most important funding
4 source for research in the medical sciences, and
5 also one of the major sources of funding for
6 research in toxicology.

7 I served as the Chairman of the body
8 that is responsible for reviewing those
9 applications on the basis of their scientific
10 merit.

11 I also currently serve as a member of
12 the Environmental Protection Agency Health
13 Effects Review Panel, which has a similar
14 function for the Environmental Protection Agency.

15 Q. How is it that you came to be called
16 upon to serve in the peer-review capacity; in
17 other words, to look at other scientists'
18 projects and determine whether they have merit or
19 not?

20 A. A peer review is a very important aspect
21 of the whole process of research and the
22 publication of scientific research in the United
23 States. Papers that are submitted for
24 publication, manuscripts, if you will, that are
25 submitted by scientists to a journal will be

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

19

1 reviewed by independent reviewers to determine
2 whether or not it's accurate, whether it's well
3 presented, whether the experiment has been
4 designed properly, and basically that the work
5 says what the author thinks it says.

6 Peer review is a necessary pre --
7 prerequisite for maintaining the quality of the
8 scientific literature, and also to evaluate the
9 quality of proposed research.

10 As a scientist enters a field, he will
11 be -- as he publishes work, he will be recognized
12 for that. And as he publishes high quality
13 science, he will be recognized by being asked,
14 first of all, to review papers for a journal.
15 And this will continue. And, in fact, he may be
16 asked by a Government body to participate in this
17 kind of activity, based upon his experience in
18 the field and his publication record.

19 MR. BARRIE: Let me object to the
20 nonresponsiveness of the answer.

21 Q. (By Mr. Galbraith) Okay. If I
22 understand correctly what you're telling me,
23 then, it's you get asked to serve on these
24 peer-review committees, passing upon the merit of
25 other scientists' work, based upon, first, you

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

20

1 having published, successfully, I guess, with
2 recognition --

3 A. That -- that is a prerequisite, yes.

4 Q. Okay. Are there any authoritative
5 periodicals in your field to which you
6 contribute?

7 A. Oh, yes, several. Probably first and
8 foremost is the -- is "Toxicology and Applied
9 Pharmacology," which is the premier journal in
10 toxicology today. And I serve as an associate
11 editor for that journal at the present time.

12 Q. What do you do, in your capacity as
13 Associate Editor of "Toxicology and Applied
14 Pharmacology"?

15 A. As an Associate Editor, I'm responsible
16 for selecting reviewers, for reading the comments
17 of reviewers and making my own evaluation of
18 manuscripts that are submitted and rendering a
19 judgment as to whether or not the manuscripts are
20 appropriate for publication, whether they are
21 not, or whether they need to be revised in some
22 fashion prior to publication.

23 Q. Okay. Do you contribute in this fashion
24 to any other publications?

25 A. I serve as an editor for the journal,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

21

1 "Cell Biology and Toxicology. I serve on the
2 editorial board of a number of other journals,
3 including the journal of "Experimental and
4 Molecular Pathology." I've served on review
5 boards and in various editorial roles on a number
6 of journals in the past, as well.

7 Q. I confess to having been given a copy of
8 your curriculum -- your resume, and I would ask
9 that that copy be marked as Exhibit 1. Could I
10 present it to you now?

11 Is that a copy of your curriculum vitae
12 or resume?

13 A. Yes, it is. I believe it is not the
14 most current version.

15 Q. Okay. Approximately how up-to-date is
16 that copy?

17 A. I suspect it's a year old.

18 MR. GALBRAITH: Okay. Let me hand
19 it to the court reporter now and ask that it be
20 marked, please, sir.

21

22 (Whereupon, the instrument referred to
23 by counsel was marked for identification as Irons
24 Exhibit No. 1.)

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

22

1 MR. GALBRAITH: Thank you.

2 Q. (By Mr. Galbraith) Refer to it, I guess,
3 if you need to.

4 Are there organizations, scientific
5 organizations or organizations involving those in
6 similar research and in similar scientific study
7 that you have participated in?

8 A. I'm a member of several professional
9 societies. The Society of. Toxicology, which is
10 a -- the principal professional group to which
11 toxicologists in the United States belong. I
12 belong to the American Association of
13 Pathologists, which is the principal academic
14 society that pathologists belong to.
15 I've been elected a member of the
16 International Society of Experimental Hematology,
17 which is a group of -- it's an international
18 society that includes individuals who are active
19 in doing research in hematology.

20 Q. Okay. Let me ask you about that
21 International Society of Experimental
22 Hematology.

23 First of all, what's hematology?

24 A. Hematology is the study of diseases of
25 the blood and normal blood production. It's the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

23

1 study of the blood, both normal and abnormal.

2 Q. Okay. How was it that you came to be
3 involved in the International Society of"
4 Experimental Hematology?

5 A. On the basis of the research that I've
6 done, I was asked to join by some of the members
7 of the Society, and was elected to membership in
8 that Society.

9 Q. What does that membership involve? What
10 does it do for you, and what do you do for it, I
11 guess?

12 A. I attend meetings, annual meetings in
13 which research in hematology is discussed. I
14 correspond with and occasionally collaborate with
15 other members of the Society on research we have
16 a mutual interest in. And I take the journal
17 that is published by the Society.

18 Q. Okay. You have mentioned that in your
19 present position, you have some academic teaching
20 responsibilities of medical students and
21 pharmacology students. Have you had any other
22 academic-type positions or experience?

23 A. I've -- I'm involved, at the present
24 time, in designing a course in toxicologic
25 pathology, which is pathology specializing -
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

24

1 that specializes in the adverse effects of
2 chemicals or agents on the body and its
3 tissues.

4 I lecture frequently in a variety of
5 different courses. I've lectured at Duke
6 University in toxicology and in occupational
7 medicine. This past year, most recently, I've
8 lectured at the University of Nebraska, at Johns
9 Hopkins University and at Rutgers University.
10 I lecture probably four or five times a
11 year in other programs and universities, and I'm
12 currently lecturing in the Epidemiology Program
13 in the School of Medicine at the University of
14 Colorado.

15 Q. Okay. What does it mean -- I notice in
16 your resume here you've got a number of
17 post-doctoral fellows. Tell me what those are
18 and what they mean.

19 A. Those are individuals that have trained
20 in my laboratory after obtaining their doctoral
21 degrees, either an M.D. or a Ph.D., and have gone
22 on to careers in the field.

23 Q. Okay. Tell me -- I notice in your
24 resume you've got a number of research interests,
25 some in sciences and some in applied sciences.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

25

1 Tell me what the distinction is there.

2 A. Well, basic science really deals with
3 understanding mechanisms of how the body and the
4 cells of the body work, how they are regulated
5 and how they function, and how diseases are
6 initiated and how they progress and, hopefully,
7 in some respects, what strategies can be used for
8 treating them.

9 Applied -- applied science deals with
10 the use of this knowledge in a more practical
11 vein, if you will, in terms of some immediate
12 practical objectives, such as the use of a method
13 to obtain an end such as diagnosis, or the
14 development of techniques for use in basic
15 science.

16 Q. Okay. I'm looking through the pages
17 here of the books, book chapters and published
18 articles, and I keep seeing recurrent themes,
19 such as, first of all, toxicology, hematology,
20 blood, bone marrow, and even some leukemia or
21 leukemogenesis. And here's a benzene or two
22 sprinkled around among the titles of these books
23 and articles.

24 Tell me, what's the interrelationship
25 between all those things, and why do I keep

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

26

1 seeing them here?

2 A. Well, the reason you keep seeing them is
3 because my principal area of research interest is
4 the blood and bone marrow and the immune system,
5 which are all interrelated. And it's well known
6 that, at high concentrations of exposure, chronic
7 exposure, benzene will cause toxicity to the bone
8 marrow. And that's been one of my principal
9 areas of research and expertise now for many
10 years.

11 Q. Okay. What is the relationship between
12 leukemia and blood or bone marrow?

13 A. Leukemia is, in -- to put it very
14 crudely, or simply, cancer of the blood or bone
15 marrow. And there are several different types of
16 leukemia that differ with respect to their
17 origin, their prognosis, what the process of the
18 disease is, the cells they involve, and their
19 ultimate -- the ultimate outcome of the disease.
20 Leukemia is one of my principle areas of research
21 interest.

22 Q. Okay. Do you have any particular
23 scientific research project ongoing at present,
24 that is, occupying a good bit -- bit of your
25 interest?

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

27

1 A. Yes. As I mentioned earlier, I have
2 three different research projects that are
3 underway at the present time.

4 Q. Okay. Talking about regulation of stem
5 cells?

6 A. Yes.

7 Q. Okay. I remember that now.

8 As a result of us calling upon you in
9 this lawsuit, what documents have you had an
10 opportunity to review, as they might relate ;to
11 this case?

12 A. I reviewed the -- what I believe is the
13 First Amended Complaint. I reviewed the medical
14 records of Mr. Chambers. I reviewed summaries of
15 the depositions of Mr. and Mrs. Chambers. I
16 reviewed the depositions of Dr. Savitz and
17 Dr. Fehir, and I've reviewed the medical
18 literature and scientific literature that I feel
19 pertains to the issues that are involved here.

20 Q. Okay. Have you come to a conclusion --
21 or what is the basis of your understanding about
22 what exposures, if any, Mr. Chambers is alleging?

23 A. It's my understanding, from my review of
24 those records and from discussions that I've had
25 both with you and with Mr. Scott, that the --

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

28

1 there are alleged exposures to benzene
2 Mr. Chambers had while -- during the course of
3 his employment.

4 Some of those seem quite remarkable or
5 unique to me, in terms of my experience with the
6 industry, so he has alleged that he has been
7 exposed to benzene under some fairly outrageous
8 circumstances.

9 Q. Okay. Which exposure do you reference
10 there?

11 A. I believe there's -- there's a reference
12 to 55-gallon drums of benzene that were made
13 available for washing hands and tools, which --

14 Q. Why -- why do you find that outrageous,
15 I believe, as you said?

16 A. Well, in my experience, I've never known
17 that to be a practice of the industry. That
18 would be outlandish to me.

19 Q. What is your knowledge about 55-gallon
20 drums of benzene?

21 A. Knowledge of fifty-five thousand -

22 Q. Fifty-five -

23 A. Fifty-five gallon -

24 Q. -- gallon drums.

25 A. It would be an extremely -- I can't

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

29

1 think of a use for that much benzene except in an
2 industrial commodity use. I can't -- using it in
3 those quantities for -- for cleaning is
4 outrageous, to me.

5 Q. Okay. Well, let me just ask you: As a
6 result of your review of these documents in this
7 case and a result of your prior experience and
8 your research itself, have you arrived at any
9 opinions as they relate to this lawsuit?

10 A. Yes.

11 Q. What -- what are those opinions or
12 conclusions?

13 A. I have reached two opinions in this
14 case.

15 The first is that chronic lymphocytic
16 leukemia is not caused by exposure to benzene.
17 And the second is that more probably
18 than not Mr. Chambers' chronic lymphocytic
19 leukemia began shortly after birth, or before.

20 Q. Okay. Let me take those one at a time.

21 You first concluded that in this case,
22 or in general, I guess you would say, chronic
23 lymphocytic leukemia is not caused by exposure to
24 the chemical benzene?

25 A. That's correct.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

30

1 Q. What is the basis for that opinion?

2 A. There's no reliable evidence to suggest
3 that CLL or chronic lymphocytic leukemia is
4 caused by benzene or, for that matter, any other
5 agent, and there's considerable evidence to
6 indicate that it is not.

7 This is a widely held view in the
8 medical and scientific community. It appears
9 in -- it's supported by the original literature.
10 It's supported by the review literature. It is a
11 textbook concept as recent as this year. This is
12 a widely held opinion.

13 Q. Okay. You said two things. Number one,
14 there's never been evidence, reliable evidence to
15 support a relationship between benzene and
16 chronic lymphocytic leukemia.

17 And, two, there is evidence to show the
18 absence of a relation -- of a causal
19 relationship.

20 A. That's correct.

21 MR. BARRIE: I object to counsel
22 testifying for this expert.

23 Q. (By Mr. Galbraith) Did I understand that
24 correctly?

25 A. Yes, sir.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

31

1 Q. Okay. First of all, when you said even
2 textbooks as recent as this year have refuted the
3 relationship between chronic lymphocytic leukemia
4 and benzene, to what did you refer?

5 A. There -- well, I can show you, if you
6 would like. There are a number of recent reviews
7 in textbooks which reiterate a commonly held
8 opinion that, in fact, there are no known causes
9 of chronic lymphocytic leukemia.

10 For example, radiation, which is
11 generally regarded as a universal leukemogen, an
12 ionizing radiation, and exposure to ionizing
13 radiation is known to lead to the development of
14 a variety of different types of leukemia.

15 Chronic lymphocytic leukemia is
16 distinguished among these in not being caused by
17 even exposure to radiation.

18 Q. So -- I want to make sure I understand
19 that. So things like radiation that cause other
20 leukemias are known not to cause chronic
21 lymphocytic leukemia?

22 A. Yes, sir. 23 Q. And radiation is one you cited, and
24 benzene --

25 A. Right.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

32

1 Q. -- is another you cited?

2 A. Yes.

3 Q. Okay. Go ahead, if you would, tend point
4 to me the textbooks that -- to which you can now
5 have reference, let's say.

6 And recent reviews, I believe, is what
7 you referred to.

8 A. Yes. Well, the first one that reflects
9 on this is a review by Drs. Foon, Rai and Gale
10 that was published in October of 1990 in the
11 "Annals of Internal Medicine." And it's a
12 review article on Chronic Lymphocytic Leukemia:
13 New Insights into Biology and Therapy."

14 Q. What is the significance of that
15 publication?

16 A. It's representative of any number of
17 recent publications that review the
18 state-of-the-art with respect to CLL. And it's
19 very consistent with -- it's representative of
20 all of them. I can --

21 Q. What does it provide or say that is
22 consistent with other research?

23 A. Well, the -- with respect to the
24 questions that we're discussing now, there's a
25 statement here which I think summarizes it quite

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

33

1 succinctly. Can I read it?

2 Q. I wish you would, please.

3 A. Okay.

4 "The cause of chronic lymphocytic
5 leukemia is unknown. Unlike other forms of
6 leukemia, the incidence of chronic lymphocytic
7 leukemia is not increased by exposure to
8 alkylating drugs, radiation or chemicals." I,

9 Q. Okay. All right. You've got before you
10 there a three-ring binder. What is -- what is
11 that?

12 A. It's a collection of articles from the
13 literature that I put together in support of the
14 views that I presented here.

15 Q. Could I -- could I take a look at that?

16 A. Certainly.

17 Q. All right. This -- this constitutes
18 articles that you have pulled from what types of
19 sources?

20 A. Primary scientific literature, the
21 medical literature. There are also some reviews
22 this there, recent reviews of the literature.

23 A review would be an article that
24 summarizes existing knowledge, as opposed to
25 being an original scientific article.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

34

1 I also have some expert -- excuse me,
2 excerpts from a recent pathology textbook which
3 simply illustrates that the -- the current -- our
4 current understanding of biology and nature of
5 CLL is, as I have said, and, in fact, is what is
6 taught as state-of-the-art in the typical general
7 pathology textbook.

8 Q. Is that what I'm looking at here,
9 entitled "Pathology" by Drs. Rubin and Farber?

10 A. Yes. 11 Q. Okay. I see something from the
12 "American Industrial Hygiene Association
13 Journal."

14 A. What -15 Q. Do you recall that? It's abbreviated,
16 and I take that to be "American Industrial
17 Hygiene --

18 A. Yes.

19 Q. -- "Association Journal"?

20 A. Yes, yes, yes.

21 Q. Okay. I'm not going to go through these
22 individually. "American Journal of Industrial
23 Medicine," is that also referenced?

24 A. Yes. 25 Q. What is the "American Journal of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

35

1 Industrial Medicine"?

2 A. It's a journal that publishes articles
3 on medicine, that is, basically specialties in
4 occupational medicine or diseases and/or problems
5 associated with occupational exposure.

6 Q. You've got here an article on chronic
7 lymphocytic leukemia in the "American Journal of
8 Hematology." What is the "American Journal of
9 Hematology," if I can ask?

10 A. The "American Journal of Hematology" is
11 one of the premier journals in hematology. It
12 publishes clinical studies on hematology, as well
13 as some experimental work. Primarily clinical
14 work.

15 Q. Okay. Apparently, you have articles
16 from a hematology journal entitled "Blood," as
17 well as from a textbook entitled "Blood." Is
18 that right?

19 A. "Blood" is, again, another very
20 well-regarded journal in hematology.

21 Q. There are a large number of articles.
22 Could I simply ask -- could we, with your
23 permission, have this marked as Dr. Irons'
24 Exhibit No. 2?

25 A. Yes. I should mention, as well, that

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

36

1 I've brought a very recent version of a text
2 which is entitled "Leukemia" and is devoted
3 entirely to the issue of the biology and clinical
4 manifestations of leukemia and the various types
5 of leukemia that also reflects the opinions that
6 I have in this case.

7 Q. That you've told us about a moment ago?

8 A. Yes.

9 Q. In fact, that's a 1990, as a matter of
10 fact.

11 A. Yes. It's a very recent edition.

12 Q. Published by a Dr. Edward S. Henderson,
13 a medical doctor of the State University of New
14 York at Buffalo, in Buffalo, New York, and
15 T. Andrew Lister, a medical doctor from the
16 Department of Medical Oncology, St. Bartholomew's
17 Hospital in London, England.

18 A. Yes. 19 Q. Okay.

20

21 (Whereupon, the instrument referred to
22 by counsel was marked for identification as Irons
23 Exhibit No. 2.)

24

25 Q. (By Mr. Galbraith) You said that there

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

37

1 was no known cause of CLL. I've heard chronic
2 lymphocytic leukemia referred to as
3 "idiopathic." Are you familiar with that term?

4 A. Yes.

5 Q. What does it mean?

6 A. That is a fancy word that simply means
7 there's no known cause.

8 Q. Okay. Has benzene itself been looked at
9 as a possible cause for chronic lymphocytic
10 leukemia?

11 A. Oh, yes. Benzene is known to cause
12 acute myelogenous leukemia, which is a different
13 type of leukemia in some people who are exposed
14 to high concentrations for long periods of time.
15 That relationship is generally accepted and
16 widely established.

17 As a result of that, the hypothesis, or
18 the suggestion that benzene might be the cause of
19 other types of leukemia, specifically CLL, has
20 been the point of departure, if you will, for a
21 number of different studies, studies of
22 individuals in industry, a wide variety of
23 different types of studies, and epidemiology
24 studies. So that it's a reasonable -- it has
25 been a reasonable hypothesis that that might be

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

38

1 the case.

2 But these studies have not borne that
3 out. And, in fact, there are a number of studies
4 which suggest that benzene is not associated with
5 CLL.

6 Q. Okay. If you can, quickly, in a
7 shorthand fashion, if it's possible, tell me the
8 difference between a myelogenous leukemia and a
9 lymphocytic leukemia?

10 A. In shorthand fashion? Okay.

11 Q. I apologize for the question, but I
12 don't withdraw it.

13 A. There are bone marrows responsible for
14 making all the different type of blood cells that
15 we have, and it's ultimately responsible for also
16 making the cells of our immune system, the
17 lymphocytes. These all are produced, ultimately,
18 by a single type of cell, called the
19 pluripotential stem cell. It's capable of giving
20 rise to all the different types of blood cells
21 through a fairly complicated process of
22 development.

23 The first step in this development is
24 the production of two different types of
25 progenitor cells, or -- or stem cells, lymphoid

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

39

1 cells and myeloid cells.
2 Myeloid stem cells are the cells that
3 are responsible for making red cells that carry
4 our oxygen; white cells, the granulocytes and
5 macrophages, these are cells that are associated
6 with helping us fight off infections; and
7 platelets, which keep our -- which cause our --
8 which cause clotting and keep us from bleeding.
9 On the other side of the coin, there's a
10 cell called the lymphoid progenitor cell, or stem
11 cell, that is responsible for giving rise to
12 lymphocytes, which are our immune system,
13 comprise our immune system.
14 And these are two separate lineages or
15 directions or pathways that these cells-can
16 take. So our blood, in terms of its origin, is
17 separated into two major compartments, the
18 lymphoid compartment and the myeloid compartment,
19 and then there are a number of other compartments
20 that branch out from these.
21 €. What you're telling me is such things as
22 radiation and certain chemicals like benzene may
23 have an impact or an effect upon myeloid cells,
24 that radiation or chem -- certain chemicals don't
25 have on lymphoid cells?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

40

1 A. And -- yes. Yes. Well, not exactly to
2 that degree. Radiation can have effects on -- on
3 the development of a variety of different
4 leukemias, principally myeloid, but not
5 restricted entirely to the myeloid lineage. But
6 it has never been associated with chronic
7 lymphocytic leukemia.

8 Q. Okay. All right. You mentioned that
9 there was considerable evidence to show that
10 benzene doesn't cause chronic lymphocytic
11 leukemia.

12 A. Yes.

13 Q. To what did you refer?

14 A. The principal quantitative studies that
15 have been conducted in this area have been
16 conducted in the rubber industry, and the rubber
17 industry is unique in -- with respect to the
18 types of neoplasms that have been seen in the
19 rubber industry, compared to those that have been
20 seen in conditions where you have relatively pure
21 exposure to benzene, because it's a very
22 complicated work environment and numerous agents
23 and numerous compounds to which an individual is
24 potentially exposed in that situation.

25 Initial -- as I said, the hypothesis, if

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

41

1 you will, that benzene was associated with
2 lymphoid neoplasms formed the point of departure
3 for studies in this area. The most --

4 Q. Meaning studies were undertaken to see
5 if --

6 A. If

7 Q. -- benzene had a relationship with
8 chronic lymphocytic leukemia?

9 A. That's correct.

10 Q. Okay.

11 A. Or lymphoid neoplasms in general.

12 Q. Okay.

13 A. Many of these studies, most of them have
14 not distinguished between chronic lymphocytic
15 leukemia and acute lymphatic leukemia or
16 lymphosarcomas, a variety of lymphoid neoplasms,
17 so it's difficult to segregate out the various
18 diseases within the broad category.

19 One study that purported to show a -- a
20 potential effect with respect to benzene and
21 lymphoid neoplasms, and maybe CLL was a study by
22 McMichaels at the University of North Carolina.

23 Now, this study, a major criticism of
24 this study is that efforts were not made to
25 really quantitate or evaluate potential exposure

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

42

1 to benzene very well. And this study suggested
2 that there might be an increased incidence of
3 lymphoid neoplasms in the population of tubber
4 workers.

5 Now, this study was followed up by the
6 same group with some case control studies that
7 looked very carefully at the exposure histories
8 of these individuals. And the -- the principal
9 authors on these two studies were a Dr. Wilcosky
10 and a Dr. Checkoway. And these are all from the
11 University of North Carolina.

12 These studies determined that, in fact,
13 the greatest association with the lymphoid
14 neoplasms was not benzene, but was, in fact,
15 related to other solvents. Whether or not those
16 findings will bear up under the test of time is
17 anybody's guess, because those particular agents
18 have never been associated previously with
19 leukemia. But the principal importance of those
20 studies is that they demonstrate, that with very
21 careful evaluation of the exposure groups,
22 benzene was not associated with any increased
23 incidence of lymphoid neoplasms.

24 That's consistent with the fact that the
25 pattern of neoplasms seen in rubber workers is

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

43

1 not the same as is seen in individuals who have
2 been exposed chronically to benzene in the past.

3 Q. Okay. Any others that you can point to
4 me?

5 A. Well, I think -- I think that
6 summarizes, in general, the -- the most reliable
7 datasets that we have.

8 There are numerous other studies that
9 have impacted on this subject. There are, for
10 instance, nonquantitative studies involving
11 collections of cases which are not very useful
12 for quantitating or determining causation, but,
13 nevertheless, point to the same pattern, where
14 the hypothesis was, in fact, that one would
15 expect to see a relationship between benzene
16 exposure and CLL. And, in fact, when populations
17 have been examined where the exposures were very
18 high, you, in fact, see fewer CLL than you do in
19 the normal population.

20 So there's really no substantive
21 evidence to support that link.

22 Q. Okay. All right. Now, I want to ask
23 you about your second opinion that you mentioned
24 some time ago.

25 You said that it was your opinion, based

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 on your review of information in this case, that
2 the chronic lymphocytic leukemia process in
3 Mr. Chambers himself started before birth or
4 shortly thereafter. Tell me what you mean by
5 that, first of all.

6 A. Well, I arrived at this opinion by
7 performing what is called a "lymphocyte doubling
8 time analysis."

9 Q. What is a "lymphocyte doubling time
10 analysis"?

11 A. Well, chronic lymphocytic leukemia is
12 unique amongst the leukemias in that the rate at
13 which the tumor cells increase in number is very
14 constant, the kinetics of cell replication, when
15 cells -- cells make other cells simply by
16 dividing. And this process, in some leukemias,
17 such as acute myelogenous leukemia, is very fast
18 and very rapid and very complex, in terms of the
19 rate at which the cells divide. In --

20 Q. In other words, the rate at which the
21 myelogenous leukemia progresses?

22 A. Yes.

23 Q. Okay.

24 A. Yes. In the case of CLL, the cells
25 replicate at, that is, they divide at a

45

1 relatively slow rate. And the principal cell
2 type that's seen, that's associated with the
3 disease, is actually a very long-lived cell that
4 does not divide very rapidly at all.
5 The total cell number, abnormal cell
6 number in a patient increases slowly as the di --
7 disease progresses. It's been demonstrated that
8 a very reliable indicator of the -- the
9 prognosis, or the.-- how the patient is likely to
10 fair, is the lymphocyte doubling time.
11 This involves making an estimate of the
12 patient's total tumor cell body burden, the
13 number of cells in his body, based on his
14 peripheral blood count, with time.
15 If you look at any individual blood
16 value, especially in a patient with CLL, it may
17 or may not really reflect, at that moment, what
18 the overall progress of the disease is. And, in
19 fact, the lymphocyte count, if you will, this is
20 a disease of lymphocytes, is not a very reliable
21 indicator of how the patient is doing or will
22 do.
23 The doubling time, however, relates to
24 an estimate of the total number of cells that are
25 abnormal within the person, and with time, that's

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

46

1 proven to be a very reliable prognostic
2 indicator, and it's reliable because it's so
3 constant.

4 Q. Okay. You -- where has it -- where does
5 it come from, that you have the -- your
6 information about the doubling time and its
7 constancy --

8 A. Well -- Q. -- or how reliable doubling time is with
9 chronic lymphocytic leukemia?

10 A. There's considerable literature on the
11 subject that has been published over the last
12 decade or two. And this -- the interest here is
13 developing very useful indicators of how a
14 patient will fair.

15 One of the -- the history behind this
16 is -- is that CLL is the most frequent type of
17 leukemia seen, certainly in the western world,
18 representing about 30 percent of total
19 leukemias.

20 It is really a disease of old age, in
21 that the disease becomes manifest after the age
22 of 40. Over 90 percent of the cases appear after
23 the age of 40. The median age is about 60. So
24 it's a disease of old age, basically. And it is

25
WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

47

1 very heterogeneous with respect to its
2 prognosis. Some patients can do very well for
3 over 10 years without any treatment, where other
4 patients may need very aggressive therapy and
5 may, in fact, die within a year or two of
6 diagnosis.

7 So it's been important, in the course of
8 studying the disease and studying patients with
9 the disease, to develop means of predicting how a
10 patient is going to do early on. And one of
11 the -- in -- in current practice, one of the
12 techniques that has -- that is receiving a great
13 deal of interest, in terms of its potential
14 value, is the lymphocyte doubling time, because
15 by using the doubling time, it's been
16 demonstrated that you can distinguish individuals
17 into two broad categories, those that have a
18 relatively poor prognosis and those that have a
19 relatively good prognosis.

20 MR. BARRIE: I'm going to object to
21 the nonresponsive portion of the answer.

22 Q. (By Mr. Galbraith) Okay. I want to make
23 sure I understand what you've just said.

24 Certain patients with chronic
25 lymphocytic leukemia will have a doubling time

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

48

1 where the number of cells, leukemic cells will
2 double more quickly than others.

3 A. It's the -- the total population of
4 tumor cells that a patient has is a function of
5 two things: how fast the cells divide and how
6 fast they disappear, or how long they live --
7 excuse me.

8 In the case of chronic lymphocytic
9 leukemia, this is a very dynamic process. You
10 actually -- the cells are actually dividing
11 slower than normal lymphocytes would. The rate
12 of division, the rate -- the proportion of cells
13 that are actively dividing is relatively less
14 than in a normal individual, but you have many
15 more cells present, and so you have a larger
16 total entry of cells into that compartment.
17 They also live a long time. And so you
18 slowly build up a larger tumor load.

19 Q. Okay. So to get a prediction on the
20 future, in other words, how someone will do after
21 a diagnosis of chronic lymphocytic leukemia, you
22 look at this doubling time?

23 A. Yes. 24 Q. Okay. How do you get the information,
25 on a specific patient basis, for doubling time?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

49

1 A. The principal tool that you use is the
2 peripheral blood count, and you determine the --
3 actually, the number of lymphocytes in the
4 peripheral blood count.

5 Using data that's been published in the
6 literature in the characterization and study of
7 lymphocyte doubling time, one can estimate, based
8 on the patient's weight, what the total body
9 burden is. This is a rough estimate. It's not
10 necessarily an exact figure, in terms of the
11 precise estimate of the number of cells.

12 What is most useful about this technique
13 is if you have data on which to calculate the
14 rate at which the tumor cells double, en masse,
15 it is more reliable than any individual
16 datapoints. You're calculating the number of
17 years or months that it takes for the total tumor
18 mass to double.

19 Q. Okay. And if you can calculate that
20 rate, that gives you an indication of when the
21 disease process started, itself?

22 A. It's principal use at present, in a
23 clinic, is to project the rate at which the cells
24 will double for prognostic reasons.

25 Q. To predict how the patient is going to

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

50

1 do in the future?

2 A. Right. Now, the reason it is reliable
3 in that context is that the doubling times is a
4 very constant feature of CLL.

5 Now, another piece of information you
6 have to have, to reach the conclusion that I have
7 in this case, is the fact that CLL is what is
8 called a "monoclonal disease." It is derived
9 from a single cell. All the cells that are tumor
10 cells are ultimately derived from a single
11 malignant cell. And in order to do that, that
12 cell had to be initiated, it had to become a
13 cancer cell, and it then had to undergo
14 divisions.

15 The first doubling time is the second
16 cell, and then progressively, with time. This
17 rate in CLL is very constant.

18 Q. Okay. So tell me what you have done in
19 this case to look into or to calculate that rate
20 and that doubling time to lead to your opinions
21 about when Mr. Chambers' chronic lymphocytic
22 leukemia process started?

23 A. Now, the first thing that I did was to
24 review his medical record and to look at all the
25 blood tests that have been done that were

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

51

1 reported in the record on Mr. Chambers dating
2 back to 1967.

3 Q. And what did that tell you?

4 A. That gave me the -- what's called the -
5 I calculated the absolute lymphocyte count, which
6 is the number of lymphocytes circulating in his
7 peripheral blood. In this case, it's -- it's
8 measured in microliters, or cubic millimeters, if
9 you will.

10 Q. Okay.

11 A. And then, in order to -- because this -
12 the estimates of body burden were first made by
13 Dr. Stryckmans -- and his article is in here -
14 and I used his nomogram for calculating or
15 estimating the total body burden.

16 Q. Okay. You've come out with a sheet of
17 paper, and I guess I'd like to have that marked
18 as Exhibit 3. Could we do that before we start
19 referring to what's on it? How about it?

20 A. Yes.

21

22 (Whereupon, the instrument referred to
23 by counsel was marked for identification as Irons
24 Exhibit No. 3.)

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

52

1 Q. (By Mr. Galbraith) All right. Taking a
2 look at Exhibit 3, that is your tabulation of his
3 blood counts back to nineteen -- May 9th of 1967
4 was the first one?

5 A. That's correct.

6 Q. And it has what entries?

7 A. The white blood cell count, or WBC, the
8 differential lymphocyte count or percent
9 lymphocytes as determined on the smear, the
10 absolute lymphocyte count, which is basically --
11 this is a percentage, and so this simply
12 represents the total white cell count times the
13 fraction that are lymphocytes, to give us a total
14 lymphocyte number.

15 Now, the work by Stryckmans and
16 colleagues has determined, or has demonstrated
17 that it is -- you can obtain a more reliable
18 indicator of the estimated body burden of tumor
19 cells if you relate this to the individual's
20 weight, because, obviously, fluctuations in
21 weight could alter the respective body burden
22 that is reflected in the cell counts in the
23 blood.

24 So just in case there were fluctuations
25 in weight, I used that estimation based on weight

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

53

1 to -- for the rest of my analysis. I actually
2 could have just used, as it turns out, the
3 peripheral lymphocyte count, because Mr.
4 Chambers' weight has not varied that much over
5 the course of the last twenty some years.

6 Q. Okay. So, from the medical records, you
7 had the blood counts, you had the percent
8 lymphocytes and you had the weights?

9 A. Yes. 10 Q. Okay. And from that, you arrive at what
11 conclusion or what figures?

12 A. Well, basically what I did, was I
13 plotted the average yearly body burden, as
14 determined from these analyses. And when you do
15 that --

16 Q. Over time?

17 A. Over time.

18 MR. GALBRAITH: All right. Could
19 we take that plot and refer to it as Exhibit No.
20 4, please?

21

22 (Whereupon, the instrument referred to
23 by counsel was marked for identification as Irons
24 Exhibit No. 4.)

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

54

1 Q. (By Mr. Galbraith) Sb Exhibit 3 has --
2 so Exhibit 3 has the actual numbers from
3 Mr. Chambers' medical records, from actual blood
4 counts?

5 A. Yes, sir.

6 Q. And then Exhibit 4 takes that
7 information and puts it on a -- a graph over
8 time?

9 A. Yes. I think you need to include this,
10 as well. These are the average values for each
11 year.

12 Q. Okay. 13 A. Mr. Chambers had several blood tests
14 done in the course of some of these years, and I
15 averaged those to come up with estimated body
16 burden for this purpose.

17 Q. On a year-by-year basis?

18 A. A year-by-year basis.

19 Q. Okay. Could we refer to that, then,
20 your average cell body burdens, as Exhibit 5?

21

22 (Whereupon, the instrument referred to
23 by counsel was marked for identification as Irons
24 Exhibit No. 5.)

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

55

1 Q. And so these numbers from Mr. Chambers'
2 medical records are plotted on Exhibit 4?

3 A. Yes.

4 Q. What does -- what does Exhibit 4 do for
5 you?

6 A. Well, this analyses, in total, tells me
7 a number of things.

8 First of all, the data suggests a very
9 reliable doubling time analysis, because the
10 points clearly depict an increase that is
11 constant and progressive with time, and the
12 doubling time is over three years by this
13 analysis, 3.31 years, actually.

14 Q. What does that mean, the doubling time
15 of chronic lymphocytic leukemia in Mr. Chambers'
16 case is 3.31 years?

17 A. It means, first of all, that it takes
18 over three years for the tumor cells that he has
19 to double in number, for the mass to increase by
20 two. So every 3.31 years, it has been increasing
21 in size by twice.

22 Q. Okay.

23 A. That's number one.

24 Number two, because it's well over one
25 year, the time it takes for this to happen, it

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

56

1 places him in a very good prognostic category.
2 The doubling time analyses that have been
3 conducted by Montserrat and others in the
4 literature have indicated there are two major
5 groups of individuals: those that have a very
6 rapid doubling time of less than a year; and
7 those that have a slower doubling time of greater
8 than a year.

9 Those that have a slower doubling time
10 of greater than a year show a more favorable
11 prognosis and go for a much longer period of time
12 before they need treatment, in general, than
13 individuals with a more rapid period of time.

14 This is -- this is consistent with the
15 lack of problems that Mr. Chambers has had
16 related to his CLL in his medical history.

17 Q. Okay. I take it this chart indicates
18 that you can graph backwards through time, as
19 well, that it's constant and reliable in
20 reverse.

21 A. At this point, we are basically looking
22 at the state-of-the-art with respect to our
23 understanding of the biology of CLL. It's clear
24 that CLL has, compared to other leukemias, a
25 very -- a very decided familial disposition.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

57

1 There's a tendency on the part of different
2 family members within the same family to develop
3 CLL.

4 The doubling time is very constant. And
5 because the slope of this line is very reliable
6 in projecting and/or predicting the outcome of
7 the patient, it is reasonable to project a line,
8 as well, going the other direction, in terms of
9 developing an understanding of where this event
10 may have occurred.

11 Other leukemias, you can't do this,
12 because the kinetics are far too complicated, and
13 there are events that are going on during the
14 course of the progression of the disease that
15 change the rate of division. Since the rate of
16 division is so constant in CLL, it's reasonable
17 to project backwards to determine, within some
18 reasonable margin of error, where the initial
19 event might have occurred that led to the
20 development of the disease.

21 Q. Okay. 22 A. And if you do this with Mr. Chambers,
23 you arrive at a conclusion that the initial cell
24 population either was present before he was born,
25 or shortly thereafter.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

58

1 Q. And carrying that slime reasoning
2 forward, in terms of the rate of the progression
3 of Mr. Chambers' disease, he is one of those
4 individuals who has an unfavorable future in
5 terms of the progression of the disease, or a
6 more favorable prognosis or future?

7 A. Certainly, based on the literature, he
8 has a favorable prognosis.

9 Q. Dr. Irons, thank you.

10 MR. GALBRAITH: And I'll pass the
11 witness at this time.

12 Might this be a good time for a break?

13 MR. SCOTT: I need to take one.

14 THE VIDEOGRAPHER: It's 11:17.

15 We're off the record.

16

17 (Whereupon, after a brief recess, the
18 video deposition continued as follows:)

19

20 THE VIDEOGRAPHER: It's now 11:32.

21 We're back on the record.

22

23 EXAMINATION

24 QUESTIONS BY MR. BARRIE:

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

59

1 Q. Good morning, sir. How are you?

2 A. Hi.

3 Q. My name is Martin Barrie, and I
4 represent Mr. Chambers and his wife in this cause
5 of action. And I understand, sir, you've been
6 retained as an expert in this case by the
7 Marathon Oil Company, correct?

8 A. That's correct.

9 Q. All right,. Is it Dr. Irons?

10 A. Yes.

11 Q. Is that a title conferred upon you, sir,
12 by virtue of a doctorate of philosophy?

13 A. Yes.

14 Q. You, sir, are not a medical doctor?

15 A. No, I'm not.

16 Q. May I call you "Doctor"?

17 A. Yes.

18 Q. Dr. Irons, I'd like to have a few
19 general agreements with you, if I can, during
20 this deposition.

21 Sir, if you don't understand any of my
22 questions during his deposition, I want you to
23 stop me. I want to be sure that I can rely on
24 your answers that you have given in answer to my
25 questions. So if you don't understand them, will

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

60

1 you stop and ask me to rephrase it?

2 A. Yes. 3 Q. Dr. Irons, have you ever had occasion to
4 give your deposition before?

5 A. Yes, I have.

6 Q. Would you tell the jury, sir, how many
7 times you've been giving your deposition
8 testimony?

9 A. Three or four,, I believe.

10 Q. Were those on behalf of individuals who
11 were injured, or were they on behalf of
12 corporations, or can you tell the jury any sort
13 of distribution about those depositions?

14 A. Three have been for corporations who
15 have been defendants in cases, and one has been
16 for a plaintiff.

17 Q. Let's talk about these three depositions
18 you've given for corporations. Can you tell me,
19 sir, who those corporations were?

20 A. Monsanto. And one case involving
21 multiple defendants. I believe one of them was
22 Shell.

23 Q. Can you tell, me, sir, when the
24 deposition testimony was given?

25 A. I gave two depositions in a case called

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

61

1 Skeen, Skeen 2, which was approximately -- it was
2 over two years ago. One was in November or
3 December, I believe -- or January, January of
4 1989. And the other one was in the fall of '88,
5 I believe.

6 I gave a deposition in a case called
7 Buchaj, which I failed to mention. I'm sorry.
8 And the defendant in that case was Texaco. And
9 that was in November of this last year.

10 Q. Can you recall any other names, other
11 than the Skeen trial, in which you were an expert
12 for a defendant, or the Buchaj case that you
13 were, again, an expert for a defendant. Can you
14 recall any other styles or names of cases, sir?

15 A. Yes. One. It's -- there are three
16 plaintiffs, Carter, Sleuter and Riddle. And I
17 gave that deposition in August of 1990.

18 Q. Where was that case filed, sir?

19 A. It's in Roan Oak, Virginia.

20 Q. Were you representing these three
21 plaintiffs, or were you representing a corporate
22 entity?

23 MR. SCOTT: I object to the form of
24 the question. Lawyers represent people.

25 Witnesses appear behalf of parties.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

62

1 Q. (By Mr. Barrie) Well, when you were
2 retained by a party, were you retained by these
3 three plaintiffs, or were you retained by a
4 corporate entity?

5 A. By the corporations that are defendants
6 in the case.

7 Q. Can you tell me, sir, what those
8 corporations were --

9 A. I

10 Q. -- by name?

11 A. I believe I mentioned Shell is one of
12 them. I'm afraid I can't remember exactly who
13 the others are. It's not Monsanto.

14 Q. Would it have been Marathon?

15 A. No. I don't think so.

16 Q. Union Carbide?

17 A. I don't think so. To the best of my
18 recollection, it's not.

19 Q. Do you keep copies of your deposition
20 testimony, sir?

21 A. Yes, I do.

22 Q. Are they in your office in Colorado?

23 A. I believe so, yes.

24 Q. This Skeen trial, was this the Skeen
25 trial, sir, where there was another gentleman who

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

63

1 worked at Monsanto who got leukemia?

2 MR. SCOTT: Object to the form of

3 the question. "Another" implies that there is

4 some in this case. There's certainly no evidence

5 of that.

6 Q. (By Mr. Barrie) Dr. Irons, was the

7 person involved in the Skeen case, was he an

8 individual who had leukemia who worked in the

9 Monsanto facility?

10 A. I believe he worked in the Monsanto

11 facility, yes.

12 Q. Did the plaintiff in the case have

13 leukemia?

14 A. Yes, he did.

15 Q. And was one of the issues in that case,

16 sir, that you were looking at was whether benzene

17 caused that gentleman's leukemia?

18 A. That's correct.

19 Q. And you came to a conclusion, sir, that

20 that leukemia wasn't caused by benzene exposure;

21 is that correct?

22 A. That's correct.

23 Q. The Buchaj case, was that a case

24 involving a gentleman with a leukemia?

25 A. Yes.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

64

1 Q. Was that case also, sir, involving one
2 of the allegations whereby that gentleman was
3 exposed to benzene and whether that was the
4 result of his leukemia?

5 A. Yes, sir.

6 Q. And did you testify, also, in that case,
7 sir, that that gentleman's leukemia was not
8 caused by benzene exposure?

9 A. Yes, I did.

10 Q. So the jury is able to understand what
11 we're talking about in this case when we use the
12 word "benzene," benzene is a chemical, is it not,
13 sir?

14 A. Yes.

15 Q. And it is true, is it not, sir, that
16 benzene is a constituent or is found in other
17 types of solids, correct?

18 A. On occasion, yes, it is.

19 Q. Well, you're aware, sir, that benzene is
20 found in various concentrations in gasoline,
21 correct?

22 A. Yes.

23 Q. And you're certainly aware that benzene
24 is found in naphtha, correct, in some
25 concentration?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

65

1 A. It can, yes.

2 Q. And you're also aware, sir, that benzene
3 is found in distillate that may be coming off of
4 a distillation column in a refinery, correct,
5 sir?

6 A. It can. It depends on the particular
7 distillate fraction.

8 Q. You're not suggesting that there's
9 distillate that contains no benzene, are you?

10 A. There's virtually no distillate or a
11 variety of other compounds or substances that
12 contain no benzene. "No" is a -- is an absolute
13 term, and in toxicology, we think in terms of
14 relative terms.

15 There's benzene in distillate fractions,
16 there's measurable benzene in eggs, there's
17 benzene in cigarette smoke.

18 MR. BARRIE: Well, I'll object to
19 the nonresponsiveness.

20 Q. (By Mr. Barrie) But there is benzene
21 found in distillate, correct?

22 A. Technically, yes. You can measure
23 benzene in a variety of different fractions,
24 including distillate.

25 Q. Looking at your resume, sir, I notice

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

66

1 that you've been in the academic arena, the
2 academic world, from approximately 1965 until you
3 completed your doctorate of philosophy in about
4 1974, '75; is that fairly correct?

5 A. Yes.

6 Q. Have you ever had an occasion, sir, to
7 work in a refinery?

8 A. No, sir, I have not.

9 Q. Have you ever had occasion to do any air
10 monitoring or monitoring of an individual's blood
11 or urine to do assessment of any potential
12 blood-forming organ crises as a result of
13 exposures in refineries?

14 A. In refineries, no, sir.

15 Q. Have you ever had an occasion to visit
16 the Monsanto refinery?

17 A. I don't believe I have.

18 Q. Have you ever visited the Marathon Oil
19 Company refinery or chemical facility?

20 A. Marathon? No. No, sir.

21 Q. You certainly don't know Mr. Chambers
22 personally, do you, sir?

23 A. No, sir, I do not.

24 Q. You've never worked with Mr. Chambers in
25 a refinery or chemical plant at all, have you?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

67

1 A. No, sir.

2 Q. You certainly haven't worked with any of
3 his co-workers who have worked with him on a
4 day-to-day basis and performed tasks with him,
5 have you, sir?

6 A. No, sir.

7 Q. In your doctorate of philosophy, did you
8 have an occasion to write a dissertation, sir?

9 A., Yes, sir, I did.

10 Q. Would you be so kind as to tell me the
11 title?

12 A. "Characterization of Low Molecular
13 Weight Cadmium and Copper-Containing Proteins."

14 Q. Essentially, if we can just reduce that
15 to fairly simplistic terms, for my benefit, are
16 we talking about heavy metals --

17 A. Yes.

18 Q. -- and what effects they may have?

19 A. Yes.

20 Q. Do you have a copy of that dissertation
21 in your files?

22 A. Someplace, yes, I do.

23 Q. In your offices in Colorado?

24 A. I'm not certain where it is, but I'm
25 sure I have it someplace.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

68

1 Q. You've identified, sir, that Exhibit
2 No. 1 is a copy of your curriculum vitae, am I
3 correct?

4 A. That's correct.

5 Q. And I think you've already told the jury
6 that this is not an up-to-date copy. Am I
7 correct?

8 A. That's correct.

9 Q. Do you have an up-to-date copy?

10 A. Oh, yes.

11 Q. Okay. Would you be so kind as to
12 provide me a copy, so I can take a look at it?

13 A. I'd be happy to.

14 Q. Let me just hand you your curriculum
15 vitae. It's Exhibit No. 1, Doctor. And let me
16 just ask you if there's anything that's omitted
17 from that particular CV, whether it be an
18 article, whether it be experience, whether it be
19 training, or anything of that nature, that I need
20 to know about that you may be relying on in the
21 formation of any opinion in this case?

22 A. Certainly not that I'm aware of.

23 Q. Are you going to be relying, sir, on
24 some specific article at the trial of this case
25 that's not reported in that CV?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 MR. GALBRAITH: I guess I object to
2 the form of the question because he's already
3 referred to articles here today that aren't
4 authored by himself or in his CV. So the
5 answer -- I guess -- I guess I don't object to
6 the form because the answer is "Yes." He's
7 already indicated "Yes." That makes me think I
8 don't understand the question. That's all -- the
9 reason for my interjection.

10 Q. (By Mr. Barrie) With regard to your CV,
11 is there any article that you have in a CV,
12 whether it's that CV or one that's more recent,
13 that you're going to cite to some book, cite to
14 some article, cite to some training, or any other
15 experience in the formation of an opinion in this
16 case?

17 A. If I understand your question, I intend
18 to rely on the articles and the documents that I
19 have provided, the background that's in my
20 curriculum vitae. If you, or anyone else, asks
21 me questions that require that I rely on other
22 documentation or books or articles within my
23 knowledge, I will, but it's not my intention to
24 do so, based upon the opinions that I've rendered
25 here.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

70

1 Q. So with regard to the opinions that
2 you're going to render in this case, I'm going to
3 be able to rely on the articles that you've
4 brought and the text that you're brought here
5 before us today, as well as what's in your resume
6 in the formation of those opinions; am I correct?

7 A. Yes, sir.

8 Q. Can you cite me, sir, to any specific
9 article within your CV that you're going to be
10 relying on in rendering those two opinions that
11 you've already expressed to us today?

12 A. Specifically, no, sir. Not
13 specifically. With respect to my background, I'm
14 relying on my experience. I've published in
15 several of the areas that are germane to the
16 analyses and my opinions -

17 Q. There's not an article specifically you
18 can say, "This is an article I'm going to be
19 relying on at the time of the trial of this case
20 because it helps support my opinion"?

21 A. Not specifically, no, sir.

22 Q. Thank you, sir.

23 Your activity at the Colorado Health
24 Science Center, can you tell me, sir, how much
25 time you devote to teaching?

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

71

1 A. Somewhere between 10 and 20 percent.

2 Q. That leaves us somewhere in the order of
3 between 80 percent and 90 percent of the time
4 you're doing something else, right?

5 A. That's correct.

6 Q. Would you tell me what else you are
7 doing, other than teaching, those 10 percent or
8 20 percent of the time?

9 A. I would say I spend somewhere between 10
10 and 20 percent doing administrative -- what I
11 would call administrative duties related to the
12 program or the University: I spend approximately
13 10 percent of my time consulting and in providing
14 advice to external agencies and parties. And the
15 remainder of my time is spent in research.

16 Q. Would it be fair to say approximately 50
17 percent of your time or less would be devoted to
18 research?

19 A. About half -- that's -- that's about
20 right.

21 Q. You mentioned that approximately 10
22 percent of your time is involved in consulting.
23 Do you devote some of your time within that
24 framework to legal consulting?

25 A. Yes, sir.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

72

1 Q. How much of a percentage does that take
2 up of that 10 percent of your time, in terms of
3 providing expert opinions to lawyers involved in
4 cases?

5 A. Last year, I think it was about nine
6 percent. It's -- it -- I can't give you an
7 absolute precise figure, but I'd say that
8 certainly in terms of guidelines, we're talking
9 between 10 and 15 percent. Last year, it was
10 nine. This year, I don't know what it would be.
11 It's about that.

12 Q. Would it be fair to say, that of the
13 consulting activities you do, a majority of it is
14 spent in providing benefits and services to
15 lawyers involved in cases?

16 A. In that fraction we're talking about,
17 yes. The remainder of it is spent consulting
18 with attorneys general, health departments, the
19 Governor's office, a variety of different -- the
20 EPA, a variety of different Government bodies, as
21 well.

22 MR. BARRIE: I'll object to the
23 nonresponsive portion of the answer.

24 Q. (By Mr. Barrie) Can you tell me, sir,
25 what fees that you charge to provide legal

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

73

1 services?

2 A. Well, I don't provide legal services, in
3 the sense that I'm not an attorney. I provide
4 expertise related to areas of concern to the
5 various parties. I charge a fee of three hundred
6 dollars an hour.

7 Q. Is this three hundred dollars an hour
8 that you charge for your services, is that for
9 deposition testimony only?

10 A. It's for anything. It's for review of
11 the literature, it's for travel, it's for
12 testimony, whatever.

13 Q. Can you tell me, sir, how much have you
14 billed, to date, the attorney representing
15 Marathon --

16 A. I haven't

17 Q. -- for your services?

18 A. I haven't billed him yet at all. I
19 have -- at this point, oh, I guess I've probably
20 worked two days.

21 Q. Forty-eight hours?

22 A. No, no. Sixteen -- two days, eight
23 hours a day, roughly, I think. I'm not sure.

24 But certainly before coming here, that was about
25 right.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

74

1 Q. So the amount owing to you is sixteen
2 hours, approximately, times three hundred dollars
3 an hour?

4 A. Approximately, for that work, yes.

5 Q. You mentioned you were involved with the
6 molecular toxicology Environmental Health
7 Sciences in Colorado.

8 Can you tell me, sir, does that group,
9 if you will, require funding from outside
10 services or companies in order to provide your
11 activities, grants, if you will?

12 A. Yes.

13 Q. Who provides the grants to the Molecular
14 Toxicology and Environmental Health Sciences -

15 A. We have a number -

16 Q. -- Group?

17 A. We have a number of different sources of
18 funding for various activities.

19 Q. Do chemical companies provide grants for
20 you?

21 A. Yes.

22 Q. That's the money, sir, that you rely on
23 in order to perform your services and activities
24 within that department or that group?

25 A. It's primarily for teaching and
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

75

1 training. It's really not used for other
2 activity, per se. The program funds and/or gifts
3 or donations to the program are used for -- in
4 support of training graduate students in
5 toxicology.

6 Q. Are you saying, sir, that none of the
7 grants or monies provided by chemical companies
8 are going to the running of any of this
9 activities that takes place under this Molecular
10 Toxicology Environmental Health Group?

11 A. No, sir, that's not what I said. I said
12 that the monies that are obtained as gifts for
13 use by the program itself are dedicated to
14 training and education.

15 All of us in academia, certainly within
16 my program, have grants for research that are
17 obtained from a variety of different
18 organizations in support of the work we do. It's
19 very expensive. I personally have grants from
20 both Government and corporate entities in support
21 of my research.

22 Q. You mentioned that for a period of time,
23 approximately ten years or so, you were involved
24 in the Chemical Industry Institute of Toxicology
25 at Research Park, North Carolina. Am I correct?

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

76

1 A. I was employed by CIIT, yes.

2 Q. And you mentioned that the funding for
3 that institute came primarily, in the -- in the
4 initial period of time, from chemical companies,
5 correct?

6 A. That's correct.

7 Q. Do you have any idea, sir, when the
8 transition took place from major funding from
9 chemical companies to funding from chemical
10 companies plus other agencies?

11 A. Well, it began gradually. I couldn't
12 put a precise date on it, but to my best
13 recollection, the Institute was accepting some
14 Federal funds as early as, say, 1987, maybe
15 1986. I can't -- I really wasn't involved in
16 those decisions, so I couldn't tell you
17 precisely.

18 Q. All right. That's the first time period
19 that you're aware of that funds outside of the
20 chemical industry were being provided to that
21 Institute?

22 A. Yes.

23 Q. And it's fair to say, even today, as we
24 speak, sir, that industry is a major supplier of
25 funds to that particular Institute, correct?

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 A. I would hesitate to speak about the
2 funding profile for CIIT today, because I really
3 have no idea. I would expect that they are
4 receiving a considerable amount of support from
5 the industry, but I also am generally aware that
6 they have been active and successful in obtaining
7 Federal funding, as well.

8 Q. You mentioned that when you were at the
9 Chemical Industry Institute, you performed or did
10 some investigation in the mechanism of toxicology
11 and the toxicity of the chemical benzene. Did I
12 understand that correctly?

13 A. That's correct.

14 Q. Did you perform any studies, sir, that
15 would fall under the umbrella term of
16 "epidemiological investigations;" that is to
17 say, identifying the sorts of individuals, such
18 as workers, who were working in an industry that
19 may have exposure to the chemical benzene or
20 other products containing benzene and matching
21 them to similarly situated individuals with the
22 exception that they weren't exposed?

23 A. For benzene, no, I didn't. I have done
24 that for other compounds, however.

25 Q. When you were at the Chemical Industry

78

1 Institute?

2 A. Yes.

3 Q. What compounds did you perform that type

4 of an epidemiological investigation for?

5 A. I performed the initial feasibility

6 studies of conducting such a study as you

7 described on butadiene in the People's Republic

8 of China.

9 Q. Butadiene has been linked with causing

10 specific types of cancers, has it not?

11 MR. SCOTT: I object to the form of

12 the question. What do you mean "linked"?

13 Q. (By Mr. Barrie) There's a, causal

14 relationship between butadiene exposure and some

15 cancers, is there not?

16 A. In my -- yes.

17 Q. Is it your testimony that butadiene does

18 not have a causal relationship to any cancers in

19 humans?

20 A. It's my opinion that a causal

21 relationship between butadiene and human cancer

22 has not been definitively established.

23 Q. Well, what about the relationship

24 between styrene and butadiene?

25 Are you aware, or do you have any

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

79

1 opinion whether styrene plus butadiene would
2 cause any type of cancer in humans?

3 A. I -- I -- the question is -- I'm -- I'm
4 afraid I don't know quite how to answer your
5 question.

6 Q. Well, have you

7 A. If you're asking me whether there are
8 epidemiology studies on the relationship between
9 potential exposure to styrene and human cancer,
10 yes, there are. There are also studies,
11 epidemiology studies on butadiene.

12 The nature of those studies, they differ
13 from one to the other, and the precise
14 relationship between exposure to those agents and
15 various human cancers, if any, is by no means
16 clear at this time.

17 Q. In your opinion?

18 A. In my opinion.

19 Q. So, in your opinion, exposures to
20 butadiene, singularly, or in combination with
21 styrene, in your mind, does not have a causal
22 relationship at all between any sort of cancer in
23 people?

24 A. No, I did not say that. I said that the
25 evidence in support of a causal relationship is

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

80

1 by no means clearly established, and it is
2 impossible, at this time, to determine, in my
3 mind, what, if any, human cancers are
4 specifically associated in a causal relationship
5 with those exposures.

6 The data is out. The -- I think a
7 conclusion is not yet forthcoming from the data
8 we currently have.

9 Q. Would you recognize that other experts
10 may voice a different opinion with regard to a
11 causal relationship between butadiene exposure,
12 either singularly or in combination with styrene,
13 and its causal relationship with cancers? Are
14 you aware of that opinion being held in the
15 epidemiological field and the toxicological
16 field?

17 A. There's currently considerable
18 discussion and controversy over the quality and
19 the nature of studies that have been performed.
20 Some of them contradict each other. Some
21 individuals hold one opinion, some old hold
22 another. The literature is by no means clear.

23 Q. And, again, that's the opinion you've
24 gleaned from reading the epidemiological
25 investigations and --

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

81

1 A. That's correct.

2 Q. -- studies?

3 Have you issued a report, whether it be

4 a preliminary or final report in this case, as

5 you've been retained as an expert by Marathon?

6 Have you issued a report?

7 A. No.

8 Q. Do you plan on issuing a report?

9 A. No.

10 Q. Do you have any plans, sir, to appear

11 live at trial of this case, to give testimony on

12 behalf of Marathon Oil Company?

13 A. If I am asked, I will do so.

14 Q. I'd like the jury to have a clear

15 understanding of what your expertise is, and what

16 I'd like to know is: Do you consider yourself

17 and hold yourself out as an expert in toxicology?

18 A. Yes, sir. 19 Q. Are there any other fields that you

20 consider yourself or hold yourself out to be an

21 expert?

22 A. Experimental hematology. And I do serve

23 as a member of the Department of Pathology at the

24 University of Colorado in the -- in the training

25 and differen -- providing expertise in terms of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

82

1 differential diagnoses associated with the
2 morphology and/or laboratory differential
3 diagnostics of lesions involving hematopoietic
4 and immune system.

5 Q. So if I can summarize this for the jury,
6 you hold yourself out as an expert, consider
7 yourself an expert in the fields of toxicology,
8 experimental hematology, and some aspects of
9 pathology?

10 A. That's correct.

11 Q. You don't hold yourself as an expert in
12 epidemiology, do you?

13 A. I don't consider myself and
14 epidemiologist, but I teach epidemiology, I'm
15 called upon to review data in epidemiology, and
16 to render opinions with respect to the quality of
17 that data in a variety of settings.

18 Q. Are you here today, sir, to give us
19 expert opinion in the field of epidemiology?

20 MR. SCOTT: I object to the form of
21 the question. He's already referred to the
22 epidemiologic literature in providing the
23 opinions and bases for -- that he has provided.

24 A. As a toxicologist, I am frequently
25 called upon to both teach and to evaluate the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

83

1 epidemiology data -- data in the literature. So
2 as a toxicologist, I feel competent to review
3 epidemiology data.

4 If you're asking me whether I would go
5 out and design, without collaborating with an
6 epidemiologist, an epidemiology study, the answer
7 is no. But I certainly am qualified to evaluate
8 the experimental design and results that are
9 obtained in epidemiology studies.

10 MR. BARRIE: Well, I object to the
11 nonresponsiveness of the answer.

12 Q. (By Mr. Barrie) You've brought for us,
13 here today, sir, some documents in this blue
14 binder that's been marked as an exhibit. Are
15 those the articles of which there are some,
16 perhaps, in the field of industrial -- some of
17 which contain epidemiological investigations on
18 which you're relying in forming the basis of some
19 of your opinions in this case?

20 A. Yes, sir. 21 Q. When were you first contacted in this
22 case, sir?

23 A. I'm not sure exactly, but I believe it
24 was in December.

25 Q. Of 1990?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

84

1 A. Yes.

2 Q. And who contacted you?

3 A. I believe it was Mr. Scott.

4 Q. And I believe Mr. Scott represents

5 Monsanto in this case; is that your

6 understanding?

7 A. That's my understanding, yes.

8 Q. When Mr. Scott contacted you, what did

9 he ask you to do?

10 A. He asked me to take a look at the

11 medical records in this case and to review the

12 literature and to render an opinion as to the

13 issues that we've been discussing here today.

14 Q. Have you ever been contacted by

15 Mr. Galbraith, who represents Marathon?

16 A. No.

17 Q. Aside from the medical records,

18 Plaintiffs' First Amended Complaint, the lawsuit

19 that was -- the papers that were filed in the

20 lawsuit, some information on depositions, is

21 there anything else that was provided to you for

22 you to review and inspect, at all?

23 A. No, not to my knowledge.

24 Q. Now, you mentioned that summaries of the

25 depositions of Mr. Chambers and Mrs. Chambers

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/HEAUMONT(409)833-0016

84

1 were provided to you?

2 A. Yes.

3 Q. Did you actually read Mr. Chambers'
4 entire deposition, or Mrs. Chambers' entire
5 deposition, or did you really rely on the
6 summaries that were provided to you?

7 A. I relied on the summaries to obtain an
8 idea of the character of the allegations in the
9 Complaint. I did not rely on the summaries
10 for -- as a basis for any of the opinions that
11 I've given.

12 Q. Did you read Mr. Chambers' or Mrs.
13 Chambers' entire deposition?

14 A. No, sir, I did not.

15 Q. Who prepared the summaries of their
16 testimony for you?

17 A. I don't know. They were given to me by
18 Mr. Scott.

19 Q. You also mentioned that you reviewed the
20 depositions of Dr. Savitz, who is an
21 epidemiologist, and Dr. Fehir, who is a
22 hematologist, oncologist, internist?

23 A. Yes. 24 Q. Can you tell me, sir, of what importance
25 or reliance you've placed on those particular

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

86

1 depositions?

2 A. Well, I reviewed those depositions and
3 the opinions of those two individuals and
4 evaluated their -- both the information and the
5 literature on which they base their opinions,
6 along with their opinions, in arriving at my own.

7 Q. You are familiar, in general, with the
8 field of hematology, as you've told us a moment
9 ago, correct?

10 A. Yes, sir.

11 Q. Do hematologists look at diseases of the
12 blood and the blood-forming organs, such as
13 leukemias and lymphomas and other types of
14 diseases or cancers?

15 A. Principally the leukemias.

16 Q. Are you suggesting that hematologists
17 limit their investigation into leukemias or --

18 A. No. I'm -- I'm just saying that
19 hematologists principally focus on diseases of
20 the blood of which leukemias are an example.

21 Occasionally, hematologists will deal
22 with lymphomas. Oncologists deal with lymphomas,
23 as well. The -- the disciplinary bounds are
24 somewhat fuzzy.

25 Q. Part of a job of a hematologist is to

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

87

1 not only treat the patient, but to also look at
2 what may be the cause of a particular disease of
3 the blood-forming organs, correct?

4 A. On occasion that can be the case, yes.

5 Q. So they're not just trying to treat a
6 patient. Part of their job, also, in taking a
7 clinical history, is to also determine what is
8 the etiological agent, or what caused their
9 particular blood dyscrasia or problems in their
10 blood-forming organs, correct?

11 A. I would say that that's correct, with
12 the caveat that certainly most practicing
13 clinicians are -- emphasize, in their daily
14 activities, primarily the diagnosis and
15 treatment, as opposed to the more research
16 oriented activities, such as determining
17 etiology, although they can participate in those
18 activities, as well.

19 Q. Is there anything that you plan on doing
20 in this case, sir, that you haven't done yet,
21 that may affect, in any way, any of the opinions
22 that you've already given to us today?

23 A. Not to my knowledge, no.

24 Q. Have you been asked to do something that
25 you haven't done?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

88

1 A. Not that I know of.

2 Q. And one of the reasons I ask that, sir,
3 is I -- I just want to know if you're going to do
4 something else, so if it affects your opinion in
5 any way, I want to just have an opportunity to
6 question on you on that, and that's why I asked
7 that question.

8 A. Understood.

9 Q. Is chronic lymphocytic leukemia, "CLL,"
10 as it's called by initials, is that a disease of
11 the blood and the blood-forming organs?

12 A. Yes, it is.

13 Q. Is the disease acute myelogenous
14 leukemia, or "AML" by initials, also a disease of
15 the blood and the blood-forming organs?

16 A. Yes.

17 Q. Is the disease chronic myelogenous
18 leukemia, or by the initials, "CML," a disease of
19 the blood and the blood-forming organs?

20 A. They're all diseases of the
21 blood-forming organs, yes.

22 Q. Or in general, so we can make it a
23 little bit simpler, diseases of the hematopoietic
24 system?

25 A. Yes.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

89

1 Q. Also, they can be called "blood
2 dyscrasias" or "blood problems," am I correct?

3 A. In a general -- in a very general way,
4 yes.

5 Q. The reason I use those terms, sir, is
6 that the jury may hear those terms throughout the
7 course of the trial, and I want them to
8 understand that we're talking generally about the
9 same problem, right?

10 MR. SCOTT: I object to the form of
11 the question. What do you mean when you're
12 talking about the same problem"?

13 Q. (By Mr. Barrie) We're all talking about
14 diseases in the blood, the bone marrow, those
15 types of diseases, are we not?

16 A. These are all different diseases that
17 are associated with the blood and the
18 blood-forming organs.

19 Q. But chronic myelogenous leukemia, acute
20 myelogenous leukemia, chronic lymphocytic
21 leukemia, they're blood dyscrasias?

22 A. They are diseases of the blood. Blood
23 dyscrasia is simply a fancy word for
24 abnormalities of the blood, so, yes.

25 Q. And they're diseases of the blood and

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

90

1 the blood-forming organs, correct?

2 A. Yes. 3 Q. Now, you've given us here today, sir,
4 two of the opinions that you've formed in this
5 case; am I correct?

6 A. Yes. 7 Q. Are there any other opinions that you
8 have formed that you're going to tell the jury
9 about in this case, other than those two?

10 A. No, not that I know of.

11 Q. You mentioned in your first opinion
12 that, in your opinion, there is no -- and I think
13 this is a quote -- "reliable evidence," unquote,
14 that benzene or any other agent has a
15 relationship, a causal relationship, to the
16 disease chronic lymphocytic leukemia. Did I
17 understand that correctly?

18 A. That's correct.

19 Q. By that statement, sir, are you
20 suggesting to the jury that there is no evidence
21 at all of a causal relationship between benzene
22 exposure or products that contain benzene and
23 this type of leukemia, this CLL?

24 A. Well, as I mentioned earlier this
25 morning, in fact, I discussed, summarized the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

91

1 work of McMichael, et al., that originally
2 suggested there might be an association. So,
3 obviously, I'm not saying that.

4 What I'm saying is that followup studies
5 by the same group have changed their initial
6 opinion with respect to the nature of benzene
7 exposure and the findings in that study.

8 Q. So the study by McMichael identified, in
9 that study, a causal relationship between benzene
10 exposure or that cohort or group and the disease
11 chronic lymphocytic leukemia?

12 A. No, sir. No, sir. A single
13 epidemiology study can define an association.
14 The chances that a single study would be so
15 well-designed and the findings so clearcut that a
16 causal relationship would be established is very
17 remote.

18 What the McMichael study did was
19 indicate a possible association or correlation
20 between what was presumed to be benzene exposure,
21 without looking at it closely, and lymphoid
22 neoplasms, which included, within that group,
23 CLL.

24 Q. Did they report, sir, workers who were
25 exposed to benzene, and the workers that were

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 similarly exposed that had chronic lymphocytic
2 leukemia? Did it identify cases such as that?

3 A. It did not deal with exposure, her se.

4 Exposure to benzene was a point of departure for
5 the study. They did not look at exposures, per
6 se, in that study.

7 Q. Well, were they looking at workers who
8 were working in areas where benzene exposure,
9 more likely than not, could occur in some of
10 those individuals having the diagnosis of chronic
11 lymphocytic leukemia?

12 A. They examined individuals -- they
13 examined workers who worked in situations where
14 benzene was one of the possible exposures that
15 they could have had.

16 Q. And some of those individuals had the
17 diagnosis of chronic lymphocytic leukemia,
18 correct?

19 A. Some of those individuals had lymphoid
20 neoplasms and, within that group, there were some
21 patients with CLL, as I recall.

22 Q. And I want the jury to understand when
23 we're talking about epidemiological
24 investigations, what we're talking about is
25 studies that are done on large groups of people,

93

1 and we're looking at controlling everything
2 except for, in this case, exposure to benzene, to
3 see if there's an incidence among that exposed
4 group relative to those that are not exposed, of
5 whether there is a type of disease that they have
6 to draw a causal inference among the group
7 whether benzene may have a causal relationship to
8 the disease. Am I correct?

9 A. That would be the ideal situation, yes,
10 sir.

11 Q. I don't want the jury to understand that
12 epidemiological investigations establish
13 causation in a person. Am I correct in that?

14 A. That's correct.

15 Q. So when we talk about epidemiological
16 studies and what findings they give us, we're not
17 establishing causation in a person, but rather
18 we're looking at groups of people and what an
19 inference may be, correct?

20 A. That's correct.

21 Q. You mentioned that in the McMichael
22 study, there were other solvents. Can you tell
23 me, sir, what those other solvents were?

24 A. From the list -- certainly, from the
25 followup studies, the potential exposures

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 involved a wide range of compounds. I can
2 provide you with a list, if you'd like.
3 The two particular agents that in the
4 followup studies were much more markedly
5 associated with lymphoid neoplasms than benzene
6 were carbon tetrachloride and carbon disulfide.
7 Now, I should add, to be complete, that
8 that's the only association, or potential
9 association with those two compounds and any
10 lymphoid neoplasms that I'm aware of. And
11 whether or not that proves to be a real causal
12 related association would require a great deal
13 more study.

14 MR BARRIE: I'll object to the
15 nonresponsiveness of the answer.

16 Q. (By Mr. Barrie) Do you have an opinion,
17 sir, whether carbon tetrachloride has any causal
18 association with chronic lymphocytic leukemia?

19 A. Not to my knowledge, not in the study.

20 Q. What about -- what about carbon
21 disulfide, do you have an opinion whether that
22 has a causal relationship to the disease chronic
23 lymphocytic leukemia?

24 A. Not to my knowledge.

25 Q. Is benzene a leukemogen?

1 A. In individuals chronically exposed to
2 high concentrations of benzene, there is a clear
3 and generally accepted relationship with acute
4 myelogenous leukemia. So, by definition, benzene
5 is a human leukemogen.

6 MR. BARRIE: I'll object to the
7 nonresponsive portion of that answer.

8 Q. (By Mr. Barrie) When was it established,
9 in your mind, sir, that benzene was a leukemogen,
10 in the scientific community?

11 A. There was no point in history where
12 everyone suddenly came to a consensus, but I
13 would say, in general, we're talking about mid to
14 late 1970's, where it became generally accepted
15 within the scientific community that there was a
16 relationship between benzene and AML.

17 Q. Are you saying that it was when there
18 was an establishment that benzene had a causal
19 relationship with a leukemia called acute
20 myelogenous leukemia, that that's when the
21 scientific community, in your opinion, said that
22 benzene is a leukemogen?

23 A. In terms of a general consensus, yes.

24 Q. Do you have any opinion whether aplastic
25 anemia is a necessary precursor to leukemia? In

1 other words, you get an association between
2 benzene exposure and acute myelogenous -- and
3 aplastic anemia, and that's necessary before you
4 can go on to get a leukemia. Do you have any
5 opinion on that regard?

6 A. Certainly, the clinical literature
7 indicates a very, very strong association between
8 those two processes, that one has to have some
9 evidence of bone marrow suppression, of which
10 aplastic anemia is an example, in order to create
11 the situation that may, in fact, lead, in some
12 individuals, to developing AML.

13 I personally think that it's much more
14 likely that our understanding of that process is
15 going to involve looking at damage at the level
16 of the stem cells.

17 What I'm trying to say here is that I do
18 think there has to be pre-existing damage
19 associated with exposure, but not necessarily the
20 evolution of aplastic anemia, although the
21 clinical literature would suggest that, in fact,
22 that is the case.

23 Q. To summarize, in your opinion, the
24 literature seems to suggest, in your opinion,
25 that aplastic anemia is a necessary precursor to

1 the development of leukemias, although there can
2 be other types of problems prior to a leukemia,
3 other than aplastic anemia?

4 MR. SCOTT: I object to the form of
5 the question. He very clearly said to a specific
6 type of leukemia, not to leukemias in general.

7 Q. (By Mr. Barrie) My question is very
8 broad, and I want you to just focus on the
9 breadth of it, if you will.

10 Is aplastic anemia a precursor to any
11 leukemias? Is it a necessary precursor?

12 A. I really do not understand the
13 question. I can describe, again, what I said
14 before or, if you could, could you reframe it?

15 Q. I'll certainly do my best.

16 Does an individual with chronic
17 lymphocytic leukemia, in your opinion, get the
18 disease immediately, chronic lymphocytic
19 leukemia, or is there some stage that precedes
20 that, whereby he has, and one must have, a
21 suppression, an aplas -- an aplastic anemia, or
22 some other condition that's necessary prior to
23 going on to get a CLL?

24 A. Chronic lymphocytic leukemia has not
25 been assoc -- it is not a secondary leukemia.

1 It's isn't secondary to exposure to anything, be
2 it benzene, radiation, or anything else.

3 An individual who comes down with
4 chronic lymphocytic leukemia does not present
5 with previous symptoms associated with exposure
6 because exposure does not play a role in the
7 development of CLL.

8 MR. BARRIE: I'm going to object to
9 the nonresponsive portion of the answer.

10 Q. (By Mr. Barrie) My question is: Does a
11 person present himself with CLL, or does he
12 present him something with a precursor to CML,
13 which later becomes CLL?

14 A. I'm sorry. You said CML, then CLL.

15 Q. I'm sorry. But I'm dealing with chronic
16 lymphocytic leukemia.

17 A. Patients present spontaneously with
18 chronic lymphocytic leukemia. They are not
19 associated with previous exposure or previous
20 blood dyscrasias because they do not play a role
21 in the evolution of the disease.

22 MR. BARRIE: I'll object to the
23 nonresponsive portion of that answer.

24 Q. (By Mr. Barrie) Doctor, is benzene
25 genotoxic, by that I mean affecting chromosomes,

99

1 the genes of an individual?

2 A. Benzene metabolites have been
3 demonstrated to cause certain types of
4 chromosomal abnormalities.

5 Q. By these metabolites, are we talking
6 about phenolic and polyphenolic compounds, and
7 others?

8 A. Yes.

9 Q. Is it your opinion that the affect of
10 benzene on the blood and the blood-forming organs
11 is caused by benzene itself or its metabolites?

12 A. Metabolites.

13 Q. Can you list for me, sir, the
14 metabolites that, in your opinion, are
15 responsible for causing the problems of the blood
16 and blood-forming organs?

17 A. This is a very complicated area. It
18 appears that the precise toxicity that's seen in
19 the bone marrow associated with benzene exposure
20 is a function of a combination of metabolites
21 that are present. It's not necessarily a single
22 metabolite that's responsible for what benzene
23 does.

24 The principal metabolites that we
25 focused on are the polyphenolics, primarily

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

100

1 hydroquinone and its terminal oxidation product,
2 benzoquinone.

3 There are also a variety of other
4 polyphenolic compounds, such as hydroquinone,
5 which are produced as a function of the
6 metabolism of benzene. And these, in concert,
7 are most likely responsible for the damage that's
8 seen in bone marrow following benzene exposure.

9 Q. You're familiar with the term
10 "initiator" and a "promoter," are you not?

11 A. Yes.

12 Q. An initiator is a compound or a chemical
13 that initiates a process, and that may go to a
14 full-blown problem or it may sit dormant and
15 later require an agent caused -- called a
16 "promoter," which will kind of kick it in, if
17 you will, which later becomes a full-blown
18 disease or problem, correct?

19 A. That's a model system in toxicology, an
20 experimental system that has been used to
21 describe carcinogenesis primarily in skin and
22 liver models.

23 Q. Do you have an opinion whether benzene
24 is an initiator or a promoter?

25 A. Well, first of all, there are no

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/HEAUMONT(409)833-0016

1 initiation/promotion models that are relevant to
2 any leukemias that I'm aware of, either in
3 experimental animals or in man.

4 So the initiation/promotion paradigm or
5 model, from a strictly a theoretical point of
6 view, may or may not be important in the case of
7 leukemias.

8 Benzene, in my opinion, and its
9 metabolites, are very poor actors with DNA. The
10 DNA is the molecules from which our genes are
11 made. They do not react readily or directly with
12 DNA and, therefore, in that system, they're less
13 likely to play a classic role as an initiator
14 than, say, other compounds that might interact or
15 alkylate with DNA.

16 Benzene metabolites appear to act at
17 different places much more effectively within the
18 cell, in terms of interfering with cell
19 replication and division. I would hesitate to
20 use initiation and promotion as a classification
21 or category because, again, as I said, benzene
22 doesn't appear to follow that model in other
23 systems, such as the skin or liver, and there are
24 no initiation/promotion models that I'm aware of
25 that are relevant to leukemogenesis.

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

103

1 Q. You're aware of no chemical, by itself,
2 or compound that would potentiate the effects of
3 benzene on the blood and the blood-forming
4 organs?

5 A. No, not in particular. I mean,
6 obviously, you can -- you can hypothesize or
7 speculate on the combined exposure of, say,
8 benzene and chemo -- a chemotherapeutic agent or
9 other agents that show toxicity of the bone
10 marrow, and you certainly would expect that if an
11 individual was exposed to these agents all at the
12 same time, he would experience much greater
13 toxicity or effects than he might from just
14 exposure to one. But I don't know of any agents
15 that specifically synergize or exacerbate benzene
16 toxicity, per se, other than what I've said.

17 THE VIDEOGRAPHER: Mr. Barrie, I'm
18 sorry. We're pretty near down to a minute and a
19 half of tape. Are you just wanting to stop now?

20 MR. BARRIE: Sure. This is a good
21 time.

22 THE VIDEOGRAPHER: It's 12:25.

23 This is the end of Tape No. 1. We're off the
24 record.

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

104

1 (Whereupon, the proceedings continued on
2 the stenographic record as follows:)

3

4 MR. SCOTT: Let's get something to
5 eat.

6 MR. GALBRAITH: Let's just take
7 thirty minutes for lunch.

8

9 (Whereupon, there was a luncheon recess,
10 after which the video deposition continued as
11 follows:)

12

13 THE VIDEOGRAPHER: It's now 1:07.

14 This is the start of Tape No. 2, and we're back
15 on the record.

16 Q. (By Mr. Barrie) I'd like to ask you,
17 sir, have you ever talked to anybody about this
18 case, or discussed this case with anybody, and
19 have their conversations with you formed, either
20 in whole or in part, any of the opinions that
21 you've given us today?

22 A. No, actually.

23 Q. You haven't asked a colleague, either
24 where you're located, or at some other
25 university, or you haven't called anybody on the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

105

1 phone and asked them to do any calculations for
2 you or provide you with any information, or do
3 anything to assist you in any way, however small,
4 that may play some role, in whole or in part, in
5 coming to any of your opinions?

6 A. I've had technical staff perform some of
7 the arithmetic and data extraction from the
8 records, but other than that, no.

9 Q. You've not had a chance to visit with
10 any of the other experts that have been retained
11 by the Defendants in this case?

12 A. No, sir.

13 Q. I want to ask you now, sir, about the
14 toxicity of benzene and ask you, sir, if you have
15 any opinions regarding the concentration of
16 benzene that would be necessary, in your opinion,
17 to cause or contribute to the leukemia that's
18 been identified as acute myelogenous leukemia.
19 The reason I ask that, sir, and to
20 refresh the jury's memory, is that you had said
21 "at some concentration," and so I'd like to
22 know, sir, if you have an opinion about a
23 specific concentration and a duration or length
24 of time of exposure that you believe is necessary
25 before somebody can develop the disease leukemia,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 which is specifically acute myelogenous leukemia?

2 A. Well, I can only tell you the strength
3 of the evidence associated with various
4 concentrations of exposure. It's clear that
5 benzene toxicity to the bone marrow, bone marrow
6 toxicity, shows a dose-response relationship;
7 that is, that as you increase the concentration
8 to which an individual is exposed, you increase
9 the likelihood that there will be bone marrow
10 damage, and you increase the severity of that
11 damage.

12 The most severe subacute or initial
13 effect you'll see with repeated exposure to
14 benzene would be aplastic anemia, which is a
15 failure of the bone marrow to produce blood cells
16 of all types, in very simple terms.
17 It's very clear from the literature that
18 repeated exposure, continuous exposure to a
19 hundred parts per million of benzene or greater
20 for lengths of time -- certainly, six months or
21 longer, and maybe less, is associated with the
22 development of a variety of blood dyscrasias,
23 including a loss of various types of blood cells,
24 such as lymphocytopenia, which is a decrease in
25 lymphocytes, or granulocytopenia, although that's

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 rarer for benzene. Certainly, thrombocytopenia,
2 a decrease in the number of platelets, or
3 aplastic anemia can result as a function of
4 repeated exposure at those levels.

5 As we look at the data available to us
6 on lower levels of exposure, it becomes more
7 difficult to determine the relationship between
8 exposure and an effect.

9 Certainly, I have concerns about
10 exposures of 50 parts per million or greater.
11 There is evidence that, in fact, some bone marrow
12 abnormalities have occurred in patients exposed
13 at that level.

14 As we get down to, say, 25 parts per
15 million or lower, we don't have direct
16 experimental and/or clinical evidence that allows
17 us to make definitive statements about what
18 concentration produces bone marrow toxicity.
19 So as we get lower in concentration, the
20 data becomes much less reliable.

21 MR. BARRIE: I'll object to the
22 nonresponsiveness of the answer.

23 Q. (By Mr. Barrie) Sir, my question was
24 specific in that I wanted to know whether you had
25 an opinion regarding whether there was a certain

108

1 concentration of exposure to benzene that was
2 necessary for the development of a leukemia that
3 is called acute myelogenous leukemia?

4 A. In my opinion, the types of damage that
5 benzene causes to the bone marrow and the
6 relationship of that damage to the ultimate
7 development of acute myelogenous leukemia
8 suggests what is known as a classic dose or
9 concentration response, and one would -- that
10 follows from that that, in fact, there is a
11 threshold of exposure below which no serious side
12 effects will be seen.

13 The nature of the controversy or the
14 discussions, if you will, in the field, at this
15 point in time, evolve around what that
16 concentration is, what concentration of exposure
17 is going to be associated with an increased
18 chance of developing AML or not.

19 Q. My question, sir, is: Do you have an
20 opinion with regard to this concentration?

21 A. As I said before, as one looks at
22 progressively lower concentrations, the data
23 becomes much less reliable. There's no evidence
24 to directly determine an effect of benzene below,
25 say, somewhere between -- below 25 parts per

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

109

1 million, there's virtually no direct evidence on
2 which to base such an opinion.

3 I expect, that whatever that
4 concentration is, it's below 25 parts per
5 million. It may or may not be below 10 parts per
6 million. There's no evidence directly to support
7 or to refute that.

8 Q. So, are you able to give us a range
9 which, in your opinion, is the concentration
10 that's necessary to be exposed to benzene for a
11 prescribed period of time prior to a risk for the
12 development of acute myelogenous leukemia?

13 A. Can I ask you to rephrase that?

14 Q. Yes. And I'm sorry. Maybe it was
15 inartfully asked.

16 I'm trying to ask if you can give me a
17 range for exposure to benzene whereby an
18 individual exposed to that concentration would
19 more likely than not develop the leukemia called
20 acute myelogenous leukemia? j

21 A. Well, actually, the way you phrased the
22 question, even at exposures in excess of a
23 hundred parts per million, an individual is not
24 more likely than not to develop leukemia. A very
25 small percentage of the individuals who go on to

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

110

1 develop frank bone marrow toxicity will ever go
2 on to develop leukemia.

3 So even in populations or individuals
4 who have been exposed to concentrations that are
5 clearly associated with the development of
6 leukemia, only a small percentage of those
7 individuals will go on to actually develop the
8 leukemia.

9 Q. And of those who go on to develop
10 leukemia from exposures to benzene, do you have,
11 sir, a range or a concentration of exposure that
12 is necessary over some period of time to develop
13 the disease?

14 A. Well, as I've said, clearly, above a
15 hundred. I think that probably above fifty. And
16 we really don't know, in terms of any measurable
17 end point we currently have, that exposures below
18 twenty-five parts per million have been or are
19 associated with those effects, although I would
20 think it's reasonable to expect that somewhere
21 below twenty-five parts per million is going to
22 be a threshold.

23 Q. And you've described for the jury as a
24 threshold that, in your opinion, the
25 concentration below which there would be no

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

111

1 causal relationship between the disease being
2 established or identified?

3 A. There would be no relationship --
4 benzene basically would not produce the types of
5 damage that is required or is a prerequisite for
6 the eventual development of acute myelogenous
7 leukemia.

8 Q. You mentioned, in your opinion, the
9 carcinogenesis or the leukemogenesis of benzene,
10 I believe you said, was dose-response related,
11 correct? There was a dose-response relationship
12 between the two, correct?

13 A. There is a dose-response relationship
14 between benzene and bone marrow toxicity. And,
15 in my opinion, that relationship is important
16 with respect to the development of acute
17 myelogenous leukemia.

18 Q. Let me ask you this, sir: Have you been
19 able to draw the dose-response or dose-incidence
20 curve for benzene and benzene leukemogenesis?
21 Have you been able to establish that curve
22 yourself?

23 A. I'm not sure what you mean by that. I
24 don't know what you're talking about, per se.

25 Q. Let me ask you: In your opinion, is the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

112

1 dose-response relationship between benzene and
2 leukemia, is that a linear relationship, a
3 straight-line relationship?

4 A. The -- certainly, from both human
5 studies and animal studies, looking at toxicity
6 and blood dyscrasias associated with benzene
7 exposure, it's clear that the relationship
8 between benzene and blood dyscrasias is a very
9 complex product of dose and duration.
10 You have to have doses or concentrations
11 of exposure that are, as I said, certainly, for
12 the experimental models, between 25 and 300 parts
13 per million, but the actual duration of exposure
14 varies in a very complex fashion.
15 Repeated exposure appears to be a very
16 important requisite or prerequisite. We're not
17 talking about single exposure. We're talking
18 about repeated exposure. And it is not yet clear
19 what the exact relationship is between the
20 product of dose and duration.

21 Q. Are you telling us, then, that you're
22 unable to draw for us the dose-response curve, or
23 relationship between the amount of dose that one
24 would receive of benzene, the exposure, if you
25 will, and the response that they may have at

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

113

1 increased dosages? Are you -i are -- are you
2 saying we don't know for sure what that line or
3 curve looks like?

4 A. Within -- within the range of
5 concentrations and scenarios that I've described
6 to you so far today, yes, we can. But,
7 certainly, the exact shape of that curve is by no
8 means established.

9 That's -- that -- that is the same thing
10 as the questions with respect to threshold or a
11 concentration that does not result in the
12 development of leukemia. It's the same question
13 from a technical standpoint. The
14 characterization of that curve is what we're
15 talking about.

16 Q. That's what I'd like to focus on. If we
17 just focus our attention right now on what you
18 believe to be about 50 parts per million over a
19 prolonged exposure period leading to the
20 development of leukemia, do we know the shape of
21 the curve from, say, 50 parts per million, to
22 greater amounts, with regard to the incidence of
23 leukemia? In other words, is that a straight
24 line?

25 A. We can certainly surmise or infer, if

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

114

1 you will, from both the human data and animal
2 data on metabolism, as well as toxicity of
3 benzene, that there is a peak concentration, if
4 you will, above which probably you're not going
5 to see any increased incidence.

6 And that concentration or saturation
7 point is probably somewhere between -- in
8 animals, it's between 100 and 300 parts per
9 million. And, in man, I would expect that it's
10 going to be somewhere above 100 parts per
11 million.

12 Q. Would it be fair to say, then, that from
13 approximately 50 parts per million up to
14 approximately 100 parts per million, we have a
15 linear relationship, in your opinion, between
16 exposure, concentration and incidence of
17 leukemia; and then, after that point, it pretty
18 much goes straight -- straight up and down, in
19 other words?

20 A. No. No, not by any means. There are
21 two basic errors there.

22 First of all, it would flatten out, not
23 go straight up and down, basically meaning we
24 reach a point at which you're not going to
25 increase the incidence of the disease, at some

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

115

1 point, theoretically. And there's no reason to
2 believe that's not the case, because for one
3 thing, toxicity to the bone marrow would become
4 so blatant that you wouldn't have survival long
5 enough to develop leukemia amongst -- among other
6 possibilities.

7 And the other issue is that between
8 fifty and a hundred parts per million, we simply
9 do not have sufficient data to describe the
10 nature of that relationship.

11 Q. So, basically, between fifty parts per
12 million and a hundred parts per million exposure
13 to benzene, we can't define the shape of the
14 curve, if you will, on the dose-response axes, in
15 terms of whether it's a linear relationship,
16 whether it's curved or linear, we just don't
17 know, in your opinion?

18 A. That's -- that's correct.

19 Q. And as we try and take the end point, 50
20 parts per million, and work backwards, are you
21 able to say, with any degree of certainty, sir,
22 whether we're able to continue that line, whether
23 it's straight or curved to the origin point, in
24 other words, zero parts per million?

25 A. There's no data on which to base those

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

116

1 characterizations.

2 Q. So it's your opinion that when we leave
3 50 parts per million and go backwards towards
4 zero, regardless of duration of exposure, we're
5 unable to characterize the dose-response curve or
6 line with regard to benzene exposure and
7 leukemia?

8 A. Certainly, in terms of the human data,
9 that's correct.

10 Q. Let's talk about animal data. Is there
11 an animal model, sir, that you're aware of that
12 can be used as a predictor for human
13 leukemogenesis?

14 A. No.

15 Q. Is it your testimony that there-'s no
16 animal model that can be used as a relatively
17 accurate predictor for human leukemogenesis and
18 benzene exposure?

19 A. We have animal models that allow us to
20 look at -- called blood dyscrasias, and
21 certainly, in the mouse, I've been successful in
22 producing blood dyscrasias with very complex dose
23 product type exposures to benzene. The
24 dyscrasias in the mouse are not directly
25 extrapolable to specific clinical entities in

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

117

1 man.

2 I personally think that there -- they
3 are very analogous to leukemia in general, but
4 there are no clinically accepted animal models
5 for the types of leukemia we see in man. So it's
6 very difficult to extrapolate from the animal
7 models to man, in terms of understanding what
8 benzene is doing and at what concentrations
9 you're going to see leukemia.

10 The controversies really -- really are
11 with the mouse, with respect to the morphologic
12 and clinical entities that we see, whether they
13 are, in fact, frank leukemias or not.

14 Q. In your opinion, sir, does benzene cause
15 mutational aberrations in any animal or bacterial
16 system?

17 A. Benzene is not a classic mutagen,
18 certainly, in bacterial systems, by itself.
19 As I mentioned before, it does produce
20 secondary changes in chromosomes. It does
21 produce certain abnormalities associated with
22 chromosomes that may be important with respect to
23 its toxicity.

24 Q. In your opinion, sir, is benzene a
25 clastogenic agent or an agent capable of causing

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

118

1 damages and breakages in chromosomes?

2 A. Well, by definition, if it causes
3 chromosomal breaks and/or abnormalities, it is a
4 clastogen in that sense.

5 I would classify benzene as a reasonably
6 weak clastogen. It certainly causes
7 nondisjunctional events. It cur -- it certainly
8 causes breaks. These are typical of agents that
9 interfere with DNA replication and/or cell
10 replication.

11 And we've demonstrated that benzene
12 metabolites very clearly have that effect.

13 Q. How long, in. your opinion, has that been
14 recognized, that benzene can cause breakages in
15 chromosomes?

16 A. I'd have to review the literature
17 specifically, to give you a complete answer to
18 that question, but my vague recollection is we're
19 probably talking about the early to mid '70's,
20 the first indications. I could be -- I could be
21 off by a few years there.

22 Q. Let's talk about the capability of
23 benzene to cause mutations in various model
24 systems.

25 One of the model systems that has been

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

118

1 used is the Ames Assay. Are you familiar with
2 that assay?

3 A. Yes.

4 Q. Is benzene a mutagen under the Ames
5 Assay scenario and model?

6 A. No, not by itself. It's not --
7 actually, benzene is not a very potent mutagenic
8 agent in those systems at all.

9 Q. In your opinion, sir, is that true,
10 also, when there's an S-9 activation factor added
11 to that particular test?

12 A. If you metabolize benzene, you can
13 produce certain mutagenic events. But by the
14 same token, if you compare benzene with an S-9 to
15 more potent mutagenic agents, it -- it does
16 not -- it is certainly not a potent mutagenic
17 agent in those systems.

18 Q. In your opinion, sir, is benzene a
19 mutational causing agent, but it's just not, in
20 your opinion, a strong one?

21 A. In the presence an S-9 fraction, you
22 can -- you can produce mutational events in some
23 bacterial systems, using a -- a reverse mutation
24 assay, like the Ames Assay.

25 Q. And would you tell us, sir, when was it

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

120

1 recognized that benzene was an agent capable of
2 causing mutations in various test scenes --

3 A. In bacteria?

4 Q. -- such as the Ames Assay?

5 A. In bacteria? Again, I don't recall
6 exactly. It's probably late -- it's either going
7 to be late '70's or early '80's, I believe. I
8 don't remember exactly when the Ames Assay first
9 came into use.

10 Q. Are you familiar, sir, with sister
11 chromatid exchanges?

12 A. Yes.

13 Q. Have you had a chance to look at that?

14 A. Yes.

15 Q. In your opinion, sir, does benzene cause
16 any sister chromatid exchanges?

17 A. Benzene metabolites certainly do.

18 Benzene administration to whole animals does. It
19 increases the rate of sister chromatid exchanges
20 that is seen in the normal animal.

21 Q. And for the benefit of us all, could you
22 tell us what it means to have a sister chromatid
23 exchange induced by a chemical such as benzene?

24 A. I wish I could, in a complete sense.

25 It's really not known what the ultimate

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

121

1 significance of the sister chromatid exchange
2 process is.

3 Q. But are we talking about, sir, a damage,
4 if you will?

5 A. No, sir, actually, we're not. There
6 is -

7 Q. Okay. What are we talking about?

8 A. There's no -- when we talk about DNA,
9 and we talk about DNA damage, we're talking about
10 a loss or change in information. If we change
11 the code, we've altered the DNA.

12 In the case of normal biologic systems,
13 we know that DNA changes places, and the code
14 gets altered as a function of normal
15 development. This goes on in lymphocytes. This
16 goes on in B cells we've been talking about. It
17 goes on in T cells, these are lymphocytes, a
18 variety of different cell systems, as a part of
19 normal development.

20 Sister chromatid exchange involves the
21 transposition or movement of DNA from a
22 chromatid, which is part a chromosome, or half of
23 one, they change places. We have complementary
24 chromosome pairs for each chromosome we have.

25 And these chromatids change places in the case of
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

122

1 sister chromatid exchange.

2 They don't -- there's no ostensible
3 change in the information, loss of information,
4 or change in the code. They basically, various
5 portions switch places. This goes on -- to a
6 certain extent, there is a background frequency
7 of sister chromatid exchange that goes on in the
8 absence of treatment.

9 Some agents, when the cells are exposed
10 to those agents, will go on to increase the rate
11 of sister chromatid exchange. It's been used
12 frequently as a screening tool to determine
13 whether or not a compound had any effect on DNA,
14 because it's easy to do.

15 And in this -- in this context, benzene
16 has been demonstrated to increase sister
17 chromatid exchanges in a variety of different
18 cell systems.

19 Q. When you see a pattern of sister
20 chromatid exchanges, is that not a normal finding
21 to see in a person? Is that an abnormal finding?

22 A. Well, in -- categorically, no, it's not
23 an abnormal finding. As I said, if you take
24 individual cells and you place them in culture in
25 an artificial situation in a test tube, and you

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/HEAUMONT(409)833-0016

123

1 stimulate them to divide, you're going to see a
2 background frequency of sister chromatid
3 exchange.

4 Now, if at the same time, you directly
5 expose those cells to increasing concentrations
6 of agents that are potentially toxic, some of
7 those agents are going to produce an increased
8 incidence or an increased number of sister
9 chromatid changes. Benzene is one of those
10 compounds.

11 Q. Do you have an opinion, sir, whether a
12 low level chronic exposure to benzene places a
13 person at greater risk for the development of a
14 leukemia, as opposed to an acute transient
15 exposure to the chemical benzene and its
16 relationship to the development of the disease
17 leukemia?

18 A. What do you mean by "low level"?

19 Q. We can define it any way that you would
20 like. Would you like to limit your testimony in
21 low level to 50 parts per million or less, or do
22 you have a range that you would like to talk
23 about?

24 A. As I said before, I cannot fix -- I do
25 not have a divining rod, and I cannot say that I

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUNONT(409)833-0016

124

1 know the concentration of benzene that is
2 associated with chronic exposure, the minimum
3 amount that's associated with the development of
4 AML.

5 Obviously, since I have told you that I
6 believe that there is a threshold, and that
7 everything that I have seen in the literature
8 that points to a mechanism suggests a mechanism
9 that's compatible with the dose-response and the
10 threshold, I believe that there are
11 concentrations of benzene, that following chronic
12 exposure, or with chronic exposure to those
13 concentrations, are not going to increase an
14 individual's chance, if you will, of developing
15 AML. We can play with numbers all day.

16 Q. Let's not do that. Let's talk about
17 peak exposures that individuals may have on a
18 transient basis, versus individuals who may be
19 chronically exposed to low concentrations or
20 small amounts over long periods of time.

21 And my question in that regard, sir,
22 is: Do you view a person who has transient peak
23 exposures to benzene at greater risk to the
24 development of diseases of blood and
25 blood-forming organs over a person who has

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/HEAUMONT(409)833-0016

125

1 long-term exposure to lower concentrations of
2 benzene?

3 A. If the concentrations are low enough
4 that they do not, in my opinion, constitute a
5 potential source of toxicity in the marrow, the
6 answer to your question would be "Yes."

7 I think that intermittent exposure to
8 benzene at high concentrations, repeatedly, is
9 associated with potential to cause bone marrow
10 toxicity, and eventually could lead to the
11 development of AML. But we're talking repeated
12 exposure.

13 Q. Okay. So, in your opinion, benzene has
14 a causal relationship with the leukemia, acute
15 myelogenous leukemia, correct?

16 A. Yes, sir. 17 Q. And when, in your mind, has that been
18 established?

19 A. I think we discussed it this morning. I
20 would say somewhere between the mid 1970's --
21 somewhere between 1974 and 1977 or '8.

22 Q. Do you have an opinion, sir, whether
23 benzene exposure has a causal relationship to
24 multiple myeloma?

25 A. I think the whole question of multiple

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

126

1 myeloma is one that requires a lot more work
2 before we can say anything definitive about it.
3 There are some studies that would suggest that
4 there may be a relationship, but they're
5 relatively small and, again, one of the biggest
6 problems with these epidemiology studies is
7 defining the exposures.
8 If one were to rely only on the
9 McMichael study, one could reach the conclusion
10 that perhaps there was a relationship between
11 benzene and the lymphoid neoplasms seen there.
12 But the followup studies have basically negated
13 that original supposition, when they looked at
14 exposures. I think that we don't have enough
15 information, at this point, to really say one way
16 or the other whether multiple myeloma is
17 associated with benzene exposure.
18 MR. BARRIE: I'll object to the
19 nonresponsive -- nonresponsive portion of the
20 answer.

21 Q. (By Mr. Barrie) Do you have an opinion,
22 sir, whether benzene exposure has a causal
23 relationship with lymphomas, whether they be
24 Hodgkin's lymphomas or non-Hodgkin's lymphomas?

25 A. I think, again, the principal -- the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

127

1 studies where benzene exposures have been high
2 and where they've been relatively the only
3 exposures, these diseases have not been seen.
4 I would refer to Infante, in particular,
5 and several others, Vigliani.
6 Where we have exposures that are very
7 complex and involve more than benzene, we begin
8 to see a totally different pattern. That has
9 been seen in the rubber industry. And as I've
10 said before, if one looks at the sum total of
11 that literature, one's left with the conclusion
12 that benzene is not the actor in that particular
13 situation. And in those studies, there is an
14 indication that there may be an increased
15 incidence of lymphoid neoplasms.
16 The problem there, again, is depending
17 upon how the cases have been categorized and how
18 they've been lumped together the way
19 epidemiologists lump them, it becomes difficult
20 to make distinctions between one disease type and
21 another. And that requires very careful
22 experimental design and a study large enough to
23 distinguish between the different biological
24 types.

25 Q. So, basically, if the study design in an

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

128

1 epidemiological study is not, in your words,
2 particular enough, you may or may not pick up a
3 certain type of leukemia, such as chronic
4 lymphocytic leukemia, it may or may not be
5 reported?

6 A. No, no. That's -- that -- that's not
7 really what I mean. What I mean is that -- that
8 epidemiologists are usually striving to get
9 enough of -- to get a large enough sample size to
10 see something, if there's something there.
11 So the tendency is to lump different
12 diseases together in terms of categorization.
13 For example, if you classify neoplasms as
14 lymphoid, you may lump lymphomas or
15 lymphosarcomas or chronic lymphocytic leukemia in
16 the same pot, and when you're through, what you
17 have is an increase in lymphoid neoplasms.
18 What you cannot say from that is any
19 particular neoplasm has been increased, but
20 you've got a very broad category that's
21 increased.

22 The difficulty with that is that
23 biologically we know these diseases are
24 different, we know their origins are different,
25 their progression is different, the cell types

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

129

1 are different, the prognosis is different. And
2 it requires further study to characterize, in
3 fact, if there's a specific association with one
4 or more of those diseases.

5 Q. And one of the opinions you've reached
6 in this case is that benzene exposure has no
7 relationship, causally, to the disease of chronic
8 lymphocytic leukemia, correct?

9 A. That's correct. 10 Q. And that, in your opinion, is true
11 regardless of the concentration exposure,
12 correct?

13 A. That's correct.

14 Q. And the articles on which you base your
15 opinion are those that are contained in Exhibit
16 No. 2?

17 A. In particular, yes. There are obviously
18 many other articles that have helped contribute
19 to the basis of my opinion, but if I brought
20 everything in my file, I would have filled the
21 room. The literature, in general, and in
22 particular, supports my opinion, and the specific
23 papers I brought I thought were pertinent to this
24 specific issue.

25 Q. So with regard to the articles you've

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

130

1 brought in Exhibit No. 2, these are the ones you
2 place particular emphasis on to support your
3 position that benzene exposure, regardless of
4 concentrations, has no causal relationship to the
5 disease chronic lymphocytic leukemia?

6 A. That's correct.

7 Q. I'd like to move on now, sir, to the
8 second opinion that you've rendered. And that, I
9 believe, is that, in your opinion, Mr. Chambers'
10 chronic lymphocytic leukemia was something that
11 either preceded his birth, or shortly thereafter,
12 in your opinion, correct?

13 A. Yes.

14 Q. And in order to come to that conclusion,
15 you've done some analysis based on his blood
16 count, his lymphocyte count, and done some
17 analysis to reach that conclusion, right?

18 A. Yes.

19 Q. Is the article that sets forth the model
20 that you used to come to that conclusion
21 contained within Exhibit No. 2?

22 A. Yes.

23 Q. Okay. And I think you told us
24 originally that that model was used for prognosis
25 of individuals with chronic lymphocytic leukemia,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

131

1 correct?

2 A. Yes.

3 Q. And what you've done is you've based a
4 technique of regression analysis to work
5 backwards from that model, to come to your
6 particular conclusion?

7 A. Yes, sir.

8 Q. And will you tell me, sir, all of the
9 assumptions that you had to make in order to
10 perform this multivariant regression analysis to
11 ultimately come to your conclusion that
12 Mr. Chambers had his disease prior to his birth,.
13 or certainly sometime thereafter?

14 A. Well, I used the approach to calculating
15 lymphocyte doubling time that is referred to in
16 the papers that are in this binder that have been
17 demonstrated by Montserrat and others to, in
18 fact, be a very good prognostic indicator of the
19 progression of the disease.

20 The -- once the line is established or
21 the slope the line is established, the only other
22 assumption with respect to using it in the
23 regression analysis, is that, in fact -- the
24 principal assumption is that chronic lymphocytic
25 leukemia is a monoclonal disease being derived

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 from a single cell.

2 Q. The disease chronic lymphocytic leukemia
3 coming from a single cell, what takes place
4 within that cell that initiates it, if you will,
5 or causes it to develop into a lymphocytic
6 leukemia?

7 A. Well, leukemia we generally think of as
8 a multifactorial process, and that requires a
9 number of different changes to occur. If we had
10 a very clear understanding of all those changes,
11 we'd understand the cause of leukemia in general,
12 and specifically for each leukemia.

13 So we're not at that stage yet, in terms
14 of being able to -- to say exactly what happens.
15 But certainly in the case of a disease like
16 chronic lymphocytic leukemia, there are changes
17 that occur, ostensibly at the level of the DNA,
18 or within the cell during its early development
19 that leads to it becoming a malignant cell.
20 As a malignant cell, it no longer abides
21 by the same rules, if you will, and/or is -- it's
22 no longer affected by the normal regulatory
23 mechanisms that control the behavior of these
24 cells in the body.

25 Q. This DNA, this DNA is a part of

133

1 chromosomes, are they not?

2 A. That's correct. In fact, in CLL, it's
3 well known in CLL patients that there are
4 chromosomal abnormalities that are specifically
5 associated with CLL, that are not normally seen
6 in other types of leukemias.

7 The most prevailing type of chromosomal
8 abnormality that's seen in CLL's is called
9 trisomy 12, or you basically have an extra
10 Chromosome No. 12.

11 There are also, in a subpopulation of
12 patients, seen other abnormalities, such as a
13 deletion of part of Chromosome 14,,called 14 Q
14 minus. This does not appear to appear early in
15 patients with the disease, but only after the
16 disease has manifest itself, for the most part.

17 Q. If I can, I'd like to just kind of
18 reduce that, if I can. That was an awful lot
19 for, I'm sure, us all to gather. But, basically,
20 it's a held opinion that benzene affects the DNA,
21 and DNA is part of the chromosomes, and that is a
22 thought as to how chronic lymphocytic leukemia is
23 developed. Would that be a very simple way of
24 reducing it?

25 A. No, that's misleading, in terms of our

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

134

1 knowledge and the conclusions that can be derived
2 from it. If you look at leukemias that are
3 associated with exposure to benzene, or
4 chemotherapy with alkylating agents, you see,
5 first of all, AML as the leukemia, not CLL.

6 Secondly, the types of chromosomal
7 abnormalities that are seen in the -- there's a
8 striking incidence of specific types of
9 chromosomal abnormalities that are seen in
10 secondary AML. These are five -- deletion of
11 Chromosome 5 or 7 -- 5Q minus, 7Q minus. We are
12 talking about a different pattern of chromosomal
13 aberration and abnormality that are seen in CLL.

14 Q. Let's talk a little bit about this graph
15 that you've done and its relationship to-, in your
16 opinion, Mr. Chambers having his disease prior to
17 his birth, or shortly thereafter.

18 You used various lymphocyte counts that
19 were taken from approximately nineteen sixty to
20 nineteen -- '67, approximately, to 1989, correct?

21 A. Yes.

22 Q. And from that, you derived a line with a
23 particular slope on what's been marked as
24 Exhibit 4, correct?

25 A. That's correct.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 Q. Let me ask you, sir, how you were able
2 to draw that line from the 1967 point, backwards,
3 when there was no particular information to fill
4 in to make sure that the line was A, straight; B,
5 going to the origin along one of the axes that
6 you have. What assumptions did you have to make?
7 A. The basic assumption, the most important
8 one is that the doubling time was constant. That
9 is based -- that, in fact, is the reason that
10 doubling time is a valuable prognostic indicator
11 in C LL, because, in fact, for CLL, and CLL,
12 alone, is it -- is there information that
13 indicates that the doubling time and the kinetics
14 of cell replication and cell loss are constant.
15 For other leukemias, this type of
16 analysis is of not very much prognostic value,
17 because the kinetics of the disease, the kinetics
18 of replication can change. The -- as I see it,
19 the proof is in the pudding. The calculation of
20 doubling time and its constancy for CLL is the
21 cornerstone of why that type of analysis is
22 useful for prognosis.
23 And, in reverse, that it is reasonable
24 to extrapolate, as well, backwards, with respect
25 to obtaining some indication of the rate of tumor

136

1 development and increased body burden.

2 Since we're dealing with a monoclonal
3 disease, it's reasonable, based on that to make
4 some -- arrive at some conclusions with respect
5 to the relationship between the time of the
6 initiation of the disease and its progression.

7 Q. What -- what I'm trying to -- to get at
8 here is you have this line on Exhibit No. 4
9 hitting a part on one of the axes that's
10 labeled "Cell Number" somewhere around 10 to the
11 6th, correct?

12 A. Yes.

13 Q. Is there a level below that which you
14 would opine that this gentleman did not, at birth
15 or shortly thereafter, have this disease?

16 A. Well, if -- if the line clearly
17 intersected the X axis at some time prior to
18 1930, or since 1930, excuse me, one would -- one
19 would surmise that within a certain margin of
20 error that that was the most likely point at
21 which the clonal expansion, certainly of his
22 tumor, began.

23 In this case, that's not the case. We
24 basically do not intersect that axis prior to
25 reaching his date of birth. The -- based on

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

137

1 other studies, looking at twins, looking at the
2 familial predisposition of families and siblings
3 to the development of CLL, it's reasonable to
4 assume, in the absence of any other information,
5 that the -- that the initial event that resulted
6 in the creation of this population occurred at
7 some point either prior to birth, or shortly
8 thereafter.

9 Q. In Mr. Chambers' family, nobody has had
10 any chronic lymphocytic leukemia, that you're
11 aware of, in his family, are you?

12 A. Not to my knowledge.

13 Q. Is your reliance on this particular
14 study that identifies the prognostic tool for
15 looking at chronic lymphocytic leukemia, -is that
16 article the article that you're going to rely on
17 in coming to your opinion that this gentleman,
18 Mr. Chambers, had his disease prior to birth, or
19 shortly thereafter?

20 A. There's several articles in the
21 literature, numerous articles which discuss the
22 kinetics of lymphocyte replication and CLL and
23 the use of doubling time as a prognostic
24 indicator.

25 I have included the majority of those in

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

138

1 the documents I've provided. There are some
2 others, however, that I have not included simply
3 because these, I felt, were sufficient.

4 There are one or two others, that if you
5 search the literature, you will find and they, in
6 my opinion, are entirely consistent with those
7 that I have provided here.

8 Q. Is there going to be some other article
9 that you're going to rely on, other than what
10 you've provided here, that will support your
11 opinion that Mr. Chambers had this disease prior
12 to birth, or shortly thereafter, any other
13 specific articles that you're going to rely on?

14 A. Not -- not to my knowledge at the
15 present time. As I -- as I mentioned earlier, if
16 I'm asked questions that specifically deal or
17 require me to rely on articles I have not
18 provided, I will do so, but it's not my intention
19 to do so, unless I'm required to.

20 Q. But you've given us, today, the opinions
21 you have in this case?

22 A. Yes, sir.

23 Q. And you have no other opinions?

24 A. No, sir.

25 MR. BARRIE: We'll just take five

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

139

1 minutes.

2 MR. SCOTT: That would be great. I
3 was hoping somebody would say that.

4 THE VIDEOGRAPHER: It's 12:41, and
5 we're off the record.

6

7 (Whereupon, after a brief recess, the
8 video deposition continued as follows:)

9

10 THE VIDEOGRAPHER: It's nine till
11 1:00. We're back on the record.

12 Q. (By Mr. Barrie) Sir, you've given us an
13 opinion of yours that -- regarding. Mr. Chambers'
14 chronic lymphocytic leukemia. In your opinion,
15 it's not caused by his benzene exposure, -and I'd
16 like to ask you this question: Do you have an
17 opinion of what did cause his chronic lymphocytic
18 leukemia?

19 A. Well, as I think I've said, it's more
20 probable than not that he was either born with
21 it, or he developed it very shortly after birth.
22 Chronic lymphocytic leukemia has not been
23 associated with exposure to hazardous agents.
24 It's one of the very few leukemias for which it's
25 reasonably clear that radiation doesn't even

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

140

1 cause it. And radiation causes most.

2 Q. And I understand, sir, that's your

3 opinion. And what I'd like to know now is:

4 Other than his, in your opinion, having it prior

5 to birth, or after the birth, is there any other

6 etiological agent or cause that you can subscribe

7 that may have caused or contributed to his

8 disease?

9 A. No.

10 Q. Do you have a contract, sir, with any

11 attorney that's hired you in this case, a written

12 contract?

13 A. No.

14 Q. Who would you be directing your bill in

15 this case to?

16 A. That's a good question. I'll probably

17 send it to Mr. Scott.

18 MR. BARRIE: I'll pass the

19 witness.

20

21 EXAMINATION

22 QUESTIONS BY MR. SCOTT:

23

24 Q. I'd like to, Dr. Irons, if we might, do

25 a couple of things.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 First, I want to talk a little bit about
2 more about some of the educational experiences
3 you've had and some of the work experiences you
4 have had.

5 You mentioned earlier that you had -
6 you were involved in a study of the effects on
7 the hematopoi -- hematopoietic system of extended
8 space flight. For whom are you doing that work?

9 A. The National Aeronautics Space
10 Administration. The University of Colorado and
11 the University of Rochester have a consortium
12 research effort that has resulted in us being
13 awarded a center grant from NASA to examine the
14 potential adverse effects associated with the
15 space flight environment, principally associated
16 with exposure to toxic agents, although, because
17 the space environment is so foreign compared to
18 that which we experience on earth, we have to
19 look at a variety of different phenomenon in
20 terms of evaluating potential hazards in space.
21 There is evidence that individuals who
22 have spent an extensive amount of time in space,
23 that upon returning to earth, they have had
24 problems with anemia, with problems related to
25 calcium in their bones, and a variety of

142

1 physiological difficulties that are apparently
2 associated with extended time in space. And we
3 are part of an effort to determine just what the
4 factors are that impact and influence those
5 problems and, hopefully, to develop strategies to
6 eliminate those problems.

7 Q. What is a center grant?

8 A. A center grant is a grant to establish a
9 center, an organization that has a -- a focus on
10 dealing with a particular problem.

11 In this case, we're talking about the
12 adverse effects associated with potential
13 exposure to toxic agents in space, and/or the
14 problems associated with other conditions in
15 space, such as weightlessness, radiation, and
16 this type of thing.

17 Q. And did your group -- do you know how
18 your group was selected by NASA to -- to do this
19 work?

20 A. It was a highly competitive process in
21 which we submitted a grant proposal, and it was
22 initially reviewed on the basis of the proposal.
23 We then had a site visit, which is a
24 meeting where an independent panel of scientists
25 come and look at the site and talk to the

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

143

1 scientists, and evaluate what they propose to do
2 in light of what the requirements are to
3 determine which is the best possible site to
4 establish this center.

5 There were, initially, I think,
6 approximately thirty universities that responded
7 to this request by NASA. There were at least
8 four that were site-visited, and we won the
9 award.

10 Q. You also mentioned a -- some work that
11 you had done with the Chinese Government. Can
12 you describe how you got involved in that and
13 what your role was?

14 A. Well, I've known Dr. Yin, who is the
15 head of the -- what could best be described as
16 the Occupational Medicine Branch of the Chinese
17 Institute of Occupational Medicine, similar to
18 our NIH, or NIOSH, if you will, kind of a
19 combination. And I've known him for many years,
20 because he has an interest in benzene toxicity.

21 And -- and I believe it was in
22 nineteen -- the fall of 1988, I accepted an
23 invitation to visit the People's Republic to
24 lecture on primarily the moni -- biological
25 monitoring of workers and to participate or
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

144

1 advise them in the design of a potential
2 epidemiology study to look at the effects of
3 chronic exposure to butadiene in Chinese`
4 workers.

5 They have a population of workers who,
6 compared to western standards, have been heavily
7 exposed to butadiene for what is now almost two
8 generations, and it represents an attractive
9 group of individuals to study, from the
10 standpoint of having an opportunity to look at
11 people who have, unfortunately, had relatively
12 high exposures.

13 Q. And you did make a visit, then, to
14 China?

15 A. Yes. Yes.

16 Q. You also mentioned, that among your
17 other duties at the University of Colorado, you
18 teach in the graduate epidemiology program. And
19 I think you said you teach epidemiology graduate
20 student -- students and MD's. What group or
21 department within the University of Colorado is
22 that program?

23 A. That program is centered in the
24 Department of Preventive Medicine and biometrics.

25 Q. That may lead to my next line of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

145

1 questions, and that is, I'd like some of the
2 terms that you've used today to be defined for
3 us. "Biometrics" would be a good place 'to
4 start.

5 A. Oh, that's a good question. I think --
6 the best way to describe biometrics is
7 biostatistics, I guess. It's the mathematical
8 process of evaluating biological populations.
9 In this case, obviously, the principal
10 focus is human populations.

11 Q. You also used the term
12 "immunopathology." What does that mean?

13 A. That's a specialization within the field
14 of pathology that deals with the diagnosis and
15 examination of tissues of the immune system,
16 and abnormalities or diseases associated with the
17 immune system.

18 Q. Similarly, you used the term
19 "hematopathology." What does that mean?

20 A. The -- there are very -- the distinction
21 between hematopathology and immunopathology is
22 relatively fairly indistinct, because, as I said
23 before, these systems are intimately related.
24 But that simply, again, is pathology
25 associated with diseases of the blood and

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 blood-forming organs.

2 Q. You used a term called

3 "leukemogenesis." What does that mean?

4 A. The genesis of leukemia, the cause of
5 leukemia, the start of leukemia.

6 Q. Is "pathogenesis" a similarly defined
7 word?

8 A. Yes. It's the genesis, if you will, of
9 pathology in general. The progression of
10 disease, ;the natural history of the disease, and
11 what happens to an individual, or tissues, or
12 biochemical or biological parameters during the
13 course of that disease.

14 Q. You used the term "neoplasms" and
15 specifically "lymphoid neoplasms." Can you tell
16 us what those terms mean?

17 A. Neoplasm is -- is basically from the
18 Greek. It means neo or new growth. A neoplasm
19 is a growth. It can be a benign growth, or it
20 can be a malignant growth.

21 If it's a malignant growth, it's
22 cancer. As far as the lymphoid neoplasms are
23 concerned, within the lymphoid system, per se,
24 there's no such thing as a benign neoplasm, so
25 all lymphoid neoplasms are malignant diseases.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

147

1 They are cancer.

2 Q. You also used a term called "kinetics."

3 What does kinetics mean? And "cell kinetics," I

4 think, is the way you said it.

5 A. They're -- cell kinetics deals with the
6 replication of cells, the rate at which they
7 divide, and how the populations, if you will, of
8 cells change, in terms of the total number of
9 cells. It can also relate to cell cycle
10 kinetics, which is the characterization of cell
11 replication.

12 Q. Now, finally, you've used a term,
13 "monoclonal" and also "clonality." Can you tell
14 us what those terms mean?

15 A. "Monoclonal" means that a disease, such
16 as a leukemia, and CLL as an example of one that
17 does this, virtually all do, they are derived
18 from single cells, "mono" being one.

19 "Clonal" means that each group of cells
20 are clones of an original cell. So they're all
21 basically mirror images of the parent cell. They
22 all are derived from the same cell.

23 Q. How does the clonality or monoclonality
24 of leukemia cells and, in this instance, chronic
25 lymphocytic leukemia cells, help in the analysis

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

148

1 which you have done in this case?

2 A. Because they are all descended from a
3 single cell, and we know that the way cells
4 replicate, or duplicate, is to divide. We -- we
5 know that the -- there was an original cell that
6 was malignant, or changed, abnormal, and it
7 divided to produce two. Those, in turn, divided
8 to produce two, and so on.

9 So we know, that in terms of the
10 progression of the disease, it started as a
11 single cell, and we can, therefore, extrapolate,
12 with reasonable confidence, backwards with
13 respect to gaining some idea as to when the
14 process started.

15 Q. Is that the same thing as saying that
16 when a leukemia develops, and in this instance,
17 chronic lymphocytic leukemia, that the -- there
18 is an original leukemic malignant or cancerous
19 cell?

20 A. Yes.

21 Q. And that everytime that cell replicates
22 or divides, it essentially makes carbon copies of
23 itself that have the same malignancy or leukemic
24 features?

25 A. That's correct.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

149

1 Q. I have a document that I'd like for you
2 to look at, if you will. And we might want to
3 hold it to the camera first. Let me hand it to
4 you.

5 MR. SCOTT: We may want to mark
6 this as an exhibit before we put it on the
7 camera.

8

9 (Whereupon, the instrument referred to
10 by counsel was marked for identification as Irons
11 Exhibit No. 6.)

12

13 Q. (By Mr. Scott) This will be Exhibit 6 to
14 your deposition. Can you take a look at it and
15 then allow our camera operator to try to zero in
16 on it, if he can.

17 A. Can I stand up?

18 Q. Sure, if that will be helpful.

19 A. If I'm going to see it, it is.

20 THE COURT REPORTER: Your
21 microphone, Doctor.

22 THE WITNESS: Whoops. 23 THE VIDEOGRAPHER: Would you hold
24 that up again?

25 Thank you.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

150

1 MR. SCOTT: Okay. Do you have it?

2 THE VIDEOGRAPHER: All right.

3 Q. (By Mr. Scott) Can you tell me what

4 that -- what Exhibit 6 depicts?

5 A. Well, this is a schematic diagram of

6 normal blood cell development. It -- it shows

7 the general pathways and the cells of origin for

8 the various cell lines within the blood, both the

9 myeloid cells we talked about and the lymphoid

10 cells.

11 Q. In a case of chronic lymphocytic

12 leukemia, is the cell which is the original

13 cancerous or malignant cell, depicted on Exhibit

14 6?

15 A. Not directly, but we certainly know

16 where it resides on the -- on the -- the chart

17 itself, in terms of pathway.

18 Q. Can you show me where that is?

19 A. Okay. These lines, incidentally, depict

20 directions that cells mature in. It doesn't

21 depict all the different representatives of the

22 types of cells that we know are here. There are

23 many different stages going through this

24 compartment.

25 They -- it's very clear, with CLL,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

151

1 certainly the type of CLL that we're talking
2 about in terms of Mr. Chambers, that the cell
3 type that's involved are the B lymphocytes. And
4 based on the gene rearrangements that occur --
5 see, this -- this cell line is the cell line that
6 produces antibodies. Every antibody-producing
7 cell produces a different antibody molecule.
8 The genes that are associated with
9 making that molecule rearrange at the point that
10 the cell becomes a mature lymphocyte and during
11 the process of development.
12 In the case of CLL, from the gene
13 rearrangements that occur in both -- there are
14 two -- there are two genes involved, one that
15 codes for the heavy chain of the immunoglobulin
16 and one that codes for the light chain. And both
17 the heavy chain rearrangements occur at this
18 level. The light chain rearrangements occur
19 right here at the point of commitment, or
20 maturation, to the lymphocyte lineage.
21 And the cell that's responsible for CLL
22 has both, and it falls right here. So we're
23 talking a relatively mature to intermediate level
24 of maturity B cell, at this stage.

25 THE VIDEOGRAPHER: Doctor, can you

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

152

1 hold the exhibit off your microphone. It
2 scratches on it.

3 THE WITNESS: Sorry. 4 THE VIDEOGRAPHER: Thank you so
5 much.

6 MR. SCOTT: I wonder, could you
7 help him try to set that up and let you look
8 around the side of it, rather than standing.

9 THE VIDEOGRAPHER: Can we go off,
10 the record for just a second?

11 MR. SCOTT: Sure. I think that's a
12 good idea.

13 THE VIDEOGRAPHER: It's 2:18, and
14 we're off the record.

15
16 (Whereupon, after a brief recess, the
17 video deposition continued as follows:)

18
19 THE VIDEOGRAPHER: It's now 2:19.
20 We're back on the record.

21 Q. (By Mr. Scott) We have just -- we've
22 made the arrangements a little more comfortable
23 to look at this exhibit now, but we had just
24 talked about the -- the target or original
25 cancerous cell in chronic lymphocytic leukemia,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

153

1 and I believe you told us that that is in the B
2 lymphocyte line, and that it is a cell of some --
3 some level of maturation; is that fair?

4 A. 95 percent of CLL's arise in this line.

5 There's a small percentage that are associated
6 with T cells. However, the nature of the
7 disease, its progression and the prognosis are
8 different. They are much different than that
9 which has been experienced by Mr. Chambers. And
10 so it is reasonable to expect that this is, in
11 fact, the cell line we're talking about.

12 Q. Based upon your review of the medical
13 records of Mr. Chambers, is it your opinion that
14 that is -- that line, the B lymphocyte line, and
15 the point to which you have directed us, is the
16 target or origin cell for his chronic lymphocytic
17 leukemia?

18 A. Yes, sir.

19 Q. I'd like to try to talk about several of
20 the other leukemias, some of which Mr. Barrie
21 discussed with you earlier today.

22 Let's start with chronic myelogenous
23 leukemia. What is the target or origin cell for
24 those people who have chronic myelogenous
25 leukemia?

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

154

1 A. Well, chronic myelogenous leukemia is
2 clearly a disease of the pluripotential stem
3 cell. It involves an abnormality that occurs at
4 the -- in the cell that's responsible for the
5 development of all blood cells prior to its
6 differentiation or maturation into either of
7 these major cell lines. So CML is clearly a
8 disease of this cell.

9 Q. Maybe it will be helpful to have some
10 background on the terms "differentiation," as
11 opposed -- as that term, as opposed to
12 "replication."

13 In her deposition last week, Dr. Fehir,
14 the Plaintiffs' expert told us that the
15 pluripotential stem cell had two characteristics
16 that were important. One is that it could
17 differentiate; the second is that it could
18 replicate. Do you agree with that, first?

19 A. Yes.

20 Q. Now, the cell marked "pluripotential
21 stem cell" to the far left on Exhibit 6, does
22 that cell have those characteristics?

23 A. Yes. It is capable of giving rise to
24 all the different cells that are found in the
25 blood. And it does so by becoming either a

WORLDWIDE COURT REPORTERS, INC. I-IOUSTON(713)651-1100/BEAUMONT(409)833-0016

155

1 myeloid progenitor cell, or as it's indicated
2 here, a myeloid stem cell, or a lymphoid stem
3 cell. That's differentiation.

4 Differentiation is the process whereby a
5 cell begins to take on more and more specialized
6 characteristics that eventually are associated
7 with functional end stage cells. This cell has
8 very few characteristics that allow anyone to
9 distinguish it by looking at it, or it also
10 doesn't have any specialized functions like these
11 cells.

12 It has two major capabilities. One is
13 to divide and produce either one of these type of
14 cells --

15 Q. For purposes of our written record,
16 "these type" are the lymphoid stem cell or the
17 myeloid stem cell.

18 A. Yes.

19 -- or it can reproduce itself.

20 "Self-renewal" is the term that's used. This
21 distinguishes it from virtually any other cell
22 within the bone marrow. It has the capacity to
23 reproduce itself without necessarily committing
24 to a differentiation pathway.

25 Q. All right. And I notice you have, in

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

156

1 the far left compartment of Exhibit 6 that -
2 that is marked, "Self-Renewing Stem Cell
3 Compartment," correct?

4 A. Yes.

5 Q. In other words, the pluripotential stem
6 cell may divide to become another pluripotential
7 stem cell, or actually two of them, I suppose;
8 or, alternatively, it may divide to become either
9 a myeloid stem cell or a lymphoid stem cell?

10 A. That's correct.

11 Q. Once you get into what's marked as the
12 "Committed Stem Cell Compartment" either on the
13 lymphoid stem cell side, or the myeloid stem cell
14 side, what characteristics do those stem cells
15 have?

16 A. Well, to -- to describe these -- what
17 these cells do, I should probably say one more
18 thing about this cell, and that is, although it
19 can divide, it has a tendency to just sit there.
20 It very rarely divides.

21 At any one time, we may only have a few,
22 literally, only a few stem cells that are
23 responsible for making our blood cells, that
24 is -- that are actually dividing and producing
25 these cells.

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

157

1 And you have to remember we're producing
2 over 200 billion cells a day to replace the
3 normal amount of blood cells that are destroyed
4 in natural, everyday life.
5 These cells, the -- what I prefer to
6 call the progenitor cells, rather than the stem
7 cell, myeloid progenitor cell and the lymphoid
8 progenitor cell, have an increased tendency to
9 divide. They divide much more often than this
10 cell, but they have very re -- they are
11 restricted in terms of the types of cells they
12 can become. They can't go backwards. They can't
13 go back this way. They can only go forward.
14 That's part of one of the protective mechanisms
15 that we have within the -- the bone marrow. But
16 these cells have an increased tendency to divide,
17 and they're capable of giving rise to the
18 different committed lineages.
19 The red cell in the case -- in the case
20 of the myeloid progenitor cell, the red cell
21 platelets, granulocytes or macrophages. The
22 lymphoid progenitor cell is -- is not as well
23 characterized, but it's clear that this cell
24 gives rise to T cells and to B cells.

25 Q. To -- to try to put it in terms that I

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

158

1 can understand, then, the -- the myeloid, what's
2 called myeloid stem cell on Exhibit 6, which you
3 prefer to call "myeloid progenitor cell," may
4 differentiate, so that it replicates into cells
5 eventually becoming what are called erythrocytes
6 or red blood cells on the chart, correct?

7 A. Yes. 8 Q. And those carry oxygen in the body, is
9 that --

10 A. That's correct.

11 Q. -- what red cells do? They may
12 differentiate into what you have called
13 platelets, or what are called platelets on
14 Exhibit 6. Is there a name for that cell?

15 A. The megakary -- megakaryocyte, or
16 megakaryocytic lineage, if you will.
17 Megakaryocytes are the -- are very large cells.
18 You can see them on bone marrow sections as being
19 the largest cells on the section. They are the
20 cells that actually break up the cytoplasm, which
21 is that -- the main part of the cell, not the
22 nucleus, but the rest of it, actually fragments
23 to form platelets.

24 Q. And the platelets are responsible for
25 clotting, so that we don't bleed to death with a

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

159

1 little cut?

2 A. They are responsible for clotting, and
3 it appears that they play a role in wound-healing
4 and repair, as well.

5 Q. And then, finally, there is a cell
6 coming from the myeloid progenitor cell which
7 appears to differentiate into granulocytes and
8 monocytes or macrophages. What are those cells?

9 A. Granulocytes are our first defense,
10 first cellular defense against infection. They
11 don't respond to specific `agents. They respond
12 in general to anything they see as foreign. And
13 when we get an infection, they're the very first
14 cells that come to the attack.

15 The macrophage is a cell that -- that
16 can play a similar role, but it also functions in
17 helping to regulate the interplay between this
18 system and lymphocytes in the immune response.

19 Q. Can the myeloid progenitor, or stem
20 cell, as it's marked on Exhibit 6, father, if you
21 will allow my inartful use of the term, lymphoid
22 cells?

23 A. This cell here? 24 Q. Yes. 25 A. No.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

160

1 Q. Going to the lymphocyte, or lymphoid
2 progenitor cell on the upper part of the drawing,
3 can that cell father, if you will, any of myeloid
4 cell lineage?

5 A. No.

6 Q. I've asked you where the target cell for
7 chronic myelogenous leukemia is, and you've
8 indicated what's called the "pluripotential stem
9 cell" on Exhibit 6. How do we know that?

10 A. We have, on occasion, the capability of
11 using specific genetic markers, or cytogenetic
12 markers, that allow us to follow the progeny,
13 which is simply the children, of a given cell.
14 In the case of CML, there are a couple
15 of different types of markers that have been
16 used. One is the Philadelphia chromosome, which
17 is a specific chromosomal abnormality that is
18 associated with CML in -- in a large majority of
19 cases, vast majority of cases.

20 And this particular chromosome can be
21 seen in all the cells that are daughter cells of
22 the abnormal stem cell.

23 In addition, we have markers such as the
24 gene for glucose 6-phosphate dehydrogenase, which
25 is a sex-linked gene that in some patients -- and

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

161

1 again it's sex-linked, so that we can see this in
2 female patients, associated with the woman, she
3 may have two different X chromosomes, and
4 carrying one gene and one the other.
5 In any cell, there will only be one of
6 those expressed, so you have a 50 percent chance
7 of having an A type or a B type.
8 In a disease that's monoclonal, all the
9 cells that are produced from an abnormal stem
10 cell will have only one type, so you won't see a
11 random pattern, or equal A and B. You'll see all
12 one type. So you can follow which types of cells
13 are descended from the abnormal cell.
14 In the case of CML, one can find either
15 the -- the Philadelphia chromosome or glucose
16 6-phosphate dehydrogenase homozygosity, or the
17 same type, in all of these cells.
18 So it's clear, in CML, in the patients
19 that have been followed, that we can follow, that
20 that the lesion has to reside at the level of
21 this cell.
22 Q. Is that, to try to -- to reduce it from
23 the term you just used to something that may be a
24 little easier words to understand, is that the
25 same thing as saying that there are certain

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

162

1 markers that doctors can use that will show up in
2 a CML case in every one of the blood cells, or
3 mature blood cells that descended from the
4 original cancerous cell?

5 A. That's correct. And when you see those
6 abnormalities or markers in different types of
7 cells, especially when you see them in both
8 lymphocytes, and in either red cells or
9 granulocytes, you have a means of -- of
10 concluding that it had to be this cell that was
11 responsible for all of them, because that's the
12 only cell that can give rise to all the different
13 types of blood cells.

14 THE VIDEOGRAPHER: Doctor, can you
15 scoot your microphone up a little bit. It's
16 dragging on the table.

17 THE WITNESS: Sorry.

18 THE VIDEOGRAPHER: Thank you.

19 Q. (By Mr. Scott) Now, are there similar
20 markers that can be used to determine -- or have
21 been used to determine where chronic lymphocytic
22 leukemia originates, or the target cell for it?

23 A. Well, as I said, the one -- the
24 characteristic genes that we look at for
25 determining the origin or the site of maturation,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

163

1 the maturation level for cells in the B cell
2 lineage are the immunoglobulin genes.
3 In this particular process, going from
4 the pluripotential stem cell to the lymphoid stem
5 cell, somewhere in here, we may see
6 rearrangements in the gene that codes for the
7 heavy chain of immunoglobulin. It could occur
8 even in the cell that's going to go down this
9 pathway, theoretically. And in my experience,
10 that can happen. But it doesn't mean anything,
11 unless you also see a light chain rearrangement,
12 because then you have the both chains of the
13 immunoglobulin molecule that can make a whole
14 functional immunoglobulin molecule.
15 That occurs here, and that's the point
16 at which CLL's of B cell lineage, that's the type
17 of cell we see. The rearrangements are
18 consistent with that. And, in fact, they do
19 produce small amounts, usually, of IGM, which is
20 a type of antibody molecule, on their surface.
21 Fortunately for the patient, in most
22 cases of CLL, they don't actively produce a lot
23 of this antibody, because that would be abnormal
24 and could lead to some problems. But they tend
25 to be fairly quiet cells that don't do a lot of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

164

1 damage and aren't very aggressive as they begin
2 to multiply.

3 Q. In a case of chronic lymphocytit
4 leukemia, do you see these markers you have
5 discussed in the myeloid line, or the blue cell
6 lines on Exhibit 6?

7 A. No.

8 Q. I want to quickly talk about one other
9 type of -- general type of leukemia, that is,
10 acute myelogenous leukemia, which you and
11 Mr. Barrie discussed at some length earlier.
12 What is the target cell or origin cell
13 for acute myelogenous leukemia?

14 A. Well, again, we're using the same
15 general type of marker analysis to conclude what
16 the origin might have -- or had to be.
17 In the case of certainly greater than 90
18 percent, maybe 95 percent of the AML's that have
19 been examined in this way, the cell of origin is
20 either a committed cell down here, or it's this
21 cell here.

22 There is a small number of cases which
23 we cannot say that of and, in fact, we cannot
24 determine whether it's here, or possibly here.

25 This cell is often referred to as a

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

165

1 "multipotential progenitor cell," because it is
2 capable of giving rise to different lineages.
3 These would be called "unipotential," because
4 they're capable, certainly, these two, of giving
5 rise to just single lineages.

6 Q. Let me, again, for purposes of the
7 written record, which will not be quite as clear
8 as the videoed record here, the cells you have
9 described as unipotential cells, or progenitors,
10 are the three cells on the blue lines directly
11 downstream from what's marked as "Myeloid Stem
12 Cells" on Exhibit 6, correct?

13 A. Yes. At the risk of insuring there's no
14 semantic confusion, this one is obviously -- this
15 cell here, which is the granulocyte macrophage
16 precursor, is technically multipotential, in the
17 sense that it can give rise to two different
18 lineages. But we know this cell exists.

19 Q. The cell you referred to a moment ago as
20 the multipotential progenitor is what we've
21 marked as "Myeloid Stem Cell" on Exhibit 6,
22 correct?

23 A. Yes.

24 Q. And it's multipotential because it can
25 differentiate to the erythrocytic line,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

166

1 megakaryocytic line or the granulocytic,
2 macrophage --

3 A. Yes.

4 Q. -- or monocytic line?

5 A. And, in fact, in AML, there are several
6 different variants of AML that in determ -- that
7 are determined by the predominance of the
8 abnormal cell types that are seen. And they can
9 fall into any of -- they call fall into any of
10 these lineages.

11 Q. Now, is the description you have just
12 made or described for us, the target cells for
13 various types of leukemia, a hypothesis of Rich
14 Irons, or is it something accepted in the -- in
15 science and medicine?

16 A. The literature is there to support these
17 concepts. They are not my concepts. They are
18 the concepts of others. The -- as I've -- as
19 I've indicated, the -- the relationship between
20 this cell and CML --

21 Q. This is the pluripotential stem cell.

22 A. -- is very clear. There is absolutely
23 no doubt about that. As I have mentioned in the
24 case of AML, the relationship with respect to
25 this cell for 95 percent, or greater than 95

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

167

1 percent of the cases is clear. There is some
2 question about some of these cases.

3 Q. That's the myeloid stem cell.

4 A. That's right.

5 In the case of CLL, the rearrangements
6 that are seen in the genes that lead to the
7 development of the cell type with the functional
8 capabilities of a mature B cell are known and
9 clearly described, and that's been clearly
10 documented in the literature. These are not
11 controversial.

12 Q. Can you give us, just by way of example,
13 text, or something like that, where we might
14 confirm that this is not just the world according
15 to Rich Irons but is accepted in the
16 hematological community?

17 A. If you want to look at a hematology
18 text, I would suggest Dr. Jandl's book, or the
19 Wintrobe text.

20 In the case of the leukemias, I would
21 direct you to the recent edition of "Leukemia"
22 which I presented.

23 In the case of additional information on
24 CLL, I direct you towards the book "Chronic
25 Lymphocytic Leukemia" by Poliak and Catovsky,

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

168

1 which I believe was last published in about
2 1986. It might be slightly later.

3 There are numerous articles in the
4 literature that deal with these subjects. I
5 could direct you to the work of Philip Fialkow,
6 who did some of original work on characterizing
7 this cell, the pluripotential stem cell in CML.

8 Q. Okay. Thank you.

9 I want to -- do you have -- we can put
10 down Exhibit 6 now. I think that's all we need
11 with that right now.

12 And do you have your blue notebook? I
13 think we marked it as Exhibit 2.

14 Earlier today, you described -- in
15 describing your opinions, you indicated that
16 there was evidence that benzene has not been
17 associated with chronic lymphocytic leukemia, and
18 I want to explore that a little more and go to
19 some specific references in the materials you
20 have.

21 Are there general statements about the
22 etiology or cause of chronic lymphocytic in any
23 of the articles you have that might be helpful to
24 us, just to know what people writing reviews and
25 articles today say on that subject?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(Q09)833-0016

1 A. Well, as I think I indicated earlier
2 in -- this morning to Mr. Galbraith, I've already
3 summarized a statement in the Foon review article
4 in which it's indicated that chronic lymphocytic
5 leukemia is not increased by exposure to
6 alkylating drugs, radiation or chemicals.
7 I've also included a number of other
8 reviews that say similar -- make similar
9 statements. Here's one example I included from
10 a 1990 pathology text. And I included this
11 simply to indicate that this is -- this level of
12 understanding and consensus is one that is
13 appreciated at the level of a general text that
14 would be used to teach medical students, and, in
15 fact, we use to teach medical students today.
16 Q. Would you read the title and editors of
17 that text and the page -- and the entry that is
18 relevant, and the page numbers?

19 A. It's "Pathology" by Emanuel Rubin and
20 John L. Farber. Dr. Rubin is Professor and
21 Chairman of Pathology at Jefferson Medical
22 College, and John Farber is a professor in that
23 department, as well. It's published by J. B.
24 Lippincott Company in Philadelphia. I believe
25 the publication date is 1990, although it may not

170

1 be on this particular page.

2 What I was citing was a page several
3 pages from Chapter 20, which is by Dr. Hugh
4 Bonner, and Chapter 20 deals with blood and
5 lymphoid organs. And the statement I refer to is
6 on Page 1083. And in reference to chronic
7 lymphocytic leukemia, it reads: "The cause is
8 unknown, and there is no known association with
9 ionizing radiation or with myelotoxic agents."

10 Q. Is benzene one of the myelotoxic agents?

11 A. Yes, sir, by definition.

12 Q. Let's then -- I notice on that page
13 Dr. Bonner goes on to say, "Genetic influences
14 may be important."

15 A. Yes.

16 Q. On down in that paragraph, he also
17 indicates that chronic lymphocytic leukemia is
18 the most common type of leukemia in this country.
19 Is that the case?

20 A. That's correct.

21 Q. And do people who have never been in
22 chemical plants, or around them, get chronic
23 lymphocytic leukemia?

24 A. Oh, yes.

25 Q. I think you've already read from

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

171

1 Dr. Foon's article. I want to be sure.

2 I'd like to go back to Dr. Foon's

3 article for just a moment, if you have it there.

4 A. Sure. 5 Q. And, again, trying to focus on the most

6 recent articles and reviews, can you tell us when

7 Dr. Foon's article was written and where it

8 appeared?

9 A. It was published in October of 1990, in

10 the "Annals of Internal Medicine."

11 Q. I noticed, also, that one of the

12 coauthors with Dr. Foon is a doctor named Kanti,

13 K-a-n-t-i, R. Rai, R-a-i. Who is Dr. Rai?

14 A. Dr. Rai is a well-known hematologist

15 who, along with Dr. Eugene Cronkite, was-

16 instrumental in developing a staging system for

17 use in the prognosis, in determining the

18 prognosis of patients with CLL.

19 They developed this system at Brookhaven

20 National Laboratory in 1968, and published it,

21 eventually, in 1975.

22 Q. Is that a system routinely used by

23 hematologists in staging chronic lymphocytic

24 leukemia patients?

25 A. Yes, that as well another system that is

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

172

1 more recent, the Binet system', has seen
2 considerable use. The Rai System is still --
3 certainly, the modified Rai system, which was
4 modified in 1988, is still in use.

5 Q. I also noticed in the first -- on the
6 first page of the Foon article, Page 525, that
7 the authors -- and it's the right-hand column, it
8 looks to be the third paragraph. The authors
9 indicate, that in preparing this article, they
10 say, "In our review, we emphasize recent insights
11 into the biology and treatment of chronic
12 lymphocytic leukemia. An English-language
13 literature search was done using MEDLINE and
14 CANCERLIT (1980 to 1990), abstracts and reviews
15 from meetings, and extensive manual searches of
16 bibliographies of identified articles."
17 What are "MEDLINE" and "CANCERLIT?"

18 Q. These are computerized databases that
19 are supported by the National Library of Medicine
20 and that all clinicians and medical scientists in
21 the country access or use in searching the
22 literature for articles that relate to subjects
23 that they're interested in.

24 Q. Are those computer tools that one --
25 that you would expect one, in your field of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

173

1 expertise to -- to utilize, if's trying to
2 determine what articles had been written on the
3 etiology or causation of chronic lymphocytic
4 leukemia?

5 A. Oh, yeah, every day. These are -- these
6 are extremely -- these are the major computerized
7 sources for current published information in the
8 field.

9 Q. Going over to Page 526 in the very first
10 paragraph in the left-hand column on the page, I
11 believe you read it earlier, but I have a
12 question about -- about it. That paragraph
13 indicates: "The cause of chronic lymphocytic
14 leukemia is unknown. Unlike other forms of
15 leukemia, the incidence of chronic lymphocytic
16 leukemia is not increased by exposure to
17 alkylating drugs, radiation or chemicals."
18 What are "alkylating drugs"?

19 A. Alkylating drugs are chemotherapeutic
20 agents, primarily, immunosuppressive and
21 chemotherapeutic agents that alkylate, which
22 implies that they bind to, in this case, DNA, and
23 alter DNA.

24 Q. Earlier, when Mr. Barrie was asking
25 questions about the mutagenicity of benzene, I

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

173

1 believe -- and I don't want to misstate what you
2 said, but I believe you said that it was -
3 benzene was not as powerful a mutagen as some of
4 these alkylating agents. Is that a fair
5 statement?

6 A. That's a very fair statement.

7 Q. Can you give me some examples of these
8 alkylating agents?

9 A. Cyclophosphamide is an alkylating
10 agent. Nitrogen mustard is an alkylating agent.
11 A large number of chemotherapeutic agents that
12 are routinely used in treating leukemias and
13 other cancers are, in fact, alkylating agents.

14 Q. Now, if I understand the way alkylating
15 drugs or agents are used in chemotherapy, it is
16 that there are certain patients who have -- that
17 present with some type of cancer, that is,
18 generally not leukemia, and that in treating
19 those cancers, the doctors give them these
20 alkylating drugs or agents to treat the
21 underlying cancer; is that fair?

22 A. That's correct.

23 Q. And that in some percentage of those
24 patients who have received these alkylating
25 drugs, the -- a leukemia later develops. Is that
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

175

1 true?

2 A. In a small proportion of the patients
3 receiving these types of chemotherapeutic agents,
4 that can happen, yes.

5 Q. Does the literature show what type of
6 leukemia arises, when it does, from the
7 administration of these alkylating drugs?

8 A. Yes, it does. That literature is very
9 well developed because, unlike the situation that
10 we often have in an occupational setting, with
11 respect to characterizing exposure to chemicals,
12 in this case, the patients are basically a
13 captive audience. They have been administered
14 these drugs, which are very potent and are
15 designed to attack their cancer cells.
16 We know the doses they've received, we
17 know when they've received them, and they're
18 followed throughout their lifetime to see what
19 effects result from that.

20 So here we have a literature that's well
21 developed. The -- the individuals are well
22 defined. They're known, their exposure is
23 well-defined and known and quantitated, and the
24 outcome is also well-defined. And that
25 literature, which is considerable over the last

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

176

1 20 years or so, indicates quite clearly that
2 exposure to alkylating myelotoxic drugs results in
3 an increased incidence of acute myelogenous
4 leukemia in those populations.

5 It also indicates that they are not
6 associated with lymphoid neoplasms, per se. And,
7 in fact, I recall one reference in the leukemia
8 text that specifically addresses that -- that
9 statement, in terms of a review of the literature
10 and the conclusion that's been reached.

11 Q. That is, the author in the leukemia text
12 we have up here on the table specifically noted
13 that the lymphoid neoplasms, which I take it
14 includes chronic lymphocytic leukemia, don't show
15 up with this sort of administration of alkylating
16 agents?

17 A. That's correct. Would you like me to
18 find it?

19 Q. It might helpful if you could locate
20 it.

21 MR. BARRIE: How much longer do you
22 have?

23 MR. SCOTT: Five minutes, maybe
24 ten.

25 A. This is Chapter 14 in the "Leukemia"

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 text by Henderson and Lister, and it's -- it's
2 written by Dr. Rosner and Dr. Grunwald, who I
3 believe are at Roswell Park in Buffalo. Although
4 I'm not certain, I think that's where they are.
5 And the title is "Chemicals and
6 Leukemia." And the last paragraph of the
7 article, the book chapter, reads: "This review
8 is limited to acute myeloblastic leukemia, or one
9 of its variants, developing in patients treated
10 with cytotoxic chemotherapy for neoplastic and
11 nonneoplastic diseases. Other types of leukemia,
12 such as acute lymphocytic leukemia, acute
13 myelofibrosis, and chronic myelocytic leukemia
14 has sporadically been reported following
15 chemotherapy for a variety of neoplasms, -.but
16 without a trend or pattern, thus suggesting a
17 purely coincidental occurrence."
18 And if you'll note, they don't even
19 recognize or mention chronic lymphocytic
20 leukemia.

21 Q. Mr. Barrie had asked you earlier about
22 benzene affecting the DNA and, again, you
23 indicated that it was not a particular -- not
24 particularly powerful in that regard, certainly
25 as related to something like these alkylating

178

1 drugs; is that true?

2 A. Yes.

3 Q. And what the reference you have read to
4 us indicates is, that even with the use of these
5 much more powerful alkylating agents, these
6 agents that have that propensity, more so than a
7 chemical like benzene, CLL's don't show up?

8 A. That's correct.

9 Q. Are you familiar with references that
10 indicate that radiation has not been associated
11 with the development of chronic lymphocytic
12 leukemia?

13 A. Yes, sir.

14 Q. Does that fact assist you in any way in
15 reaching the conclusions you have reached
16 concerning chronic lymphocytic leukemia in this
17 case?

18 A. I think the the debate over the
19 potential relationship between chronic
20 lymphocytic leukemia and any -- and any causative
21 agent is really clarified or aided by knowledge
22 that even radiation, which we know can cause
23 virtually all types of leukemia except CLL, is
24 not associated with an increased incidence of
25 CLL. There's just no definitive, reliable body

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

179

1 of information anywhere that would lead one to
2 draw a conclusion that CLL is caused by exposure
3 to cytotoxic agents or radiation.

4 Q. I want to turn to the second opinion
5 that you have provided today, and I want to look
6 at a little bit of the literature that I -- I
7 think you've got in your notebook there related
8 to the lymphocyte doubling time analysis.

9 Again, I want to determine whether this
10 is -- the use of a lymphocyte doubling time
11 analysis or the accuracy of that type of analysis
12 is a hypothesis of yours or something that's been
13 recognized in science -- in the scientific and
14 medical literature.

15 Can you point to some articles that
16 discuss that analysis, how it's done, and the
17 prognostic uses of it?

18 A. Yes. I can do it in two ways. One, I
19 can refer to the original papers, to begin with,
20 and then, if you like, I can refer to the same
21 reviews that we've been talking about and others
22 that I have that, in discussing the biology of
23 disease, comment on the utility of lymphocyte
24 doubling time as a reliable factor for prognostic
25 purposes.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

180

1 Q. I'd appreciate it if you would do it in
2 that order, then.

3 A. Okay. The -- there are a great many
4 papers that have been written that deal with the
5 kinetics of lymphocyte replication in CLL. These
6 are papers by Sweet, et al., February 1977,
7 "Clinics in Haematology;" Zimmerman, et al.,
8 1968 in "Blood;" Theml, et al., from 1973,
9 dealing with the kinetics of the tumor cells in
10 chronic lymphocytic leukemia; the lymphocyte
11 doubling time, the -- the -- probably the most
12 important paper with respect to characterizing
13 its use as a prognostic indicator --s that of
14 Montserrat, M-o-n-t-s-e-r-r-a-t, which was
15 published in 1986, in the "British Journal of
16 Haematology."

17 And it -- it -- it demonstrates rather
18 impressively in a group of a hundred untreated
19 patients that the lymphocyte doubling time
20 distinguishes two populations, those that have a
21 doubling time of less than 12 months, and those
22 that have a doubling time of greater than 12
23 months.

24 The -- as I indicated earlier today, the
25 prognosis, or the outlook for those with a

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

181

1 doubling time of greater than 12 months is much
2 better than that for those that have a more rapid
3 turn-over.

4 The estimation of body burden that I
5 used is based on an article by Stryckmans, which
6 appeared in February of 1977 on the kinetics of
7 chronic lymphocytic leukemia.

8 Now, I've use technique because, as I
9 mentioned before, as a function of variations in
10 weight, it's a more reliable indicator than the
11 absolute lymphocyte counts in the blood.

12 By the same token, the slope of the line
13 that's created by using either the absolute
14 lymphocyte count or an estimation of body burden
15 is going to very similar, so that, in fact, in
16 this particular case, there would be no
17 appreciable or significant differences between
18 the slope generated using either. method. I chose
19 this one because it's the most conservative.

20 Q. "Conservative" in what sense?

21 A. It's considered to be a more reliable
22 indicator of body burden than the lymphocyte
23 count, which can fluctuate widely.

24 By the same the token, it should be kept
25 in mind that the absolute cell numbers that are

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

182

1 projected here are not necessarily that accurate
2 in terms of body burden. They could vary
3 considerably, but they're all going to vary the
4 same way, and it's not going to affect the slope
5 of the line.

6 That's the real value of this
7 technique. You're not using an individual blood
8 level or a blood value for an estimate on which
9 to base a -- an analysis. You're looking at the
10 total progression of the disease and the
11 development of an increased tumor load with time.

12 Q. I'd like to turn to Exhibit 4, which is
13 the graph that you discussed earlier with
14 Mr. Galbraith. And we might, again, put up our
15 document stand and see if we can have the camera
16 look at it and let you talk about it a little
17 bit.

18 THE VIDEOGRAPHER: Can you kind of
19 move that toward the Doctor, Mr. Galbraith?

20 THE WITNESS: I'm tangled up in
21 my --

22 THE VIDEOGRAPHER: In your
23 umbilical?

24 THE WITNESS: Yeah. 25 MR. GALBRAITH: Like that? Can you
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

183

1 see that, Doctor?

2 THE WITNESS: (Witness nods')

3 THE VIDEOGRAPHER: Go ahead.

4 Q. (By Mr. Scott) Now, there are a number
5 of black dots on Exhibit 4. Can you tell me what
6 each one of those black dots represents and where
7 it came from?

8 A. Well, as I mentioned earlier, I took the
9 individual blood data from Mr. Chambers' chart,
10 each time that I could find blood data, and
11 determined, using his weight, the estimated body
12 burden for total lymphocytes, according to the
13 Stryckmans nomogram.

14 I then averaged that for every year,
15 because there were some years in which he had
16 multiple measurements, and they vary somewhat,
17 which is reasonable, given both the variability
18 of the peripheral blood -count, in cases of CLL,
19 as well as any laboratory variation or error.
20 So I averaged them for every year and
21 then plotted them on this graph as a function of
22 time.

23 Q. How do we know that your Exhibit 4 is
24 accurate? For instance, if one of the blood
25 counts that Mr. Chambers had, as a result of lab

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

184

1 error or something like that, was way off?

2 A. Well, as you can see from the chart, not
3 every point on that line, every point on the
4 graph is on the line.

5 So there, in fact, are variations from,
6 or deviations that you see here that are well
7 within experimental and/or clinical error. And,
8 in fact, I'm impressed with the tightness of the
9 fit. I mean, the -- for any given point, one
10 could arguably say that it's not necessarily
11 representative of what's going on in that patient
12 with time. But all the points define a line.

13 As I said before, the -- the actual cell
14 number that's defined by the line may not be
15 accurate. It could be, within plus or minus,
16 maybe even an order of magnitude of that point.
17 But the points themselves depict a line
18 or a slope that isn't going to change.

19 Q. In other words, assuming that the actual
20 number of lymphocytes measured is incorrect and
21 is off -- let's say the actual number was
22 actually one order of magnitude less than what is
23 depicted by Exhibit 4, would that have any
24 bearing on the opinions you have reached in this
25 case?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

185

1 A. No.

2 Q. And why not?

3 A. Because the slope of the line is very
4 clearly defined by those points and is not going
5 to change as a function of the estimate, because
6 the estimate, which is based on, again, the
7 Stryckmans study, is constant, so, therefore,
8 whatever that estimate is pertains to every point
9 on the line. So the absolute number, in reality,
10 might differ, but the slope won't.

11 Q. And Exhibit 4, the -- the line that was
12 drawn was done using a technique that is set out
13 in Dr. Stryckmans' paper which is in your blue
14 book, "Cell Kinetics in Chronic Lymphocytic
15 Leukemia."

16 A. The estimate of the body burden was
17 according to Dr. Stryckmans' paper. The use of
18 it to calculate doubling time was based on the
19 Montserrat paper.

20 Q. I understand. What -- on the Y axis,
21 the "Cell Number" axis on the left-hand side of
22 the page, what is it that you are measuring?
23 What is the datapoint on the left-hand side,
24 under "Cell Number"?

25 A. That's the estimated body burden of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 lymphocytes, which in the case of a patient with
2 CLL will progressively, with time, become more
3 and more associated with the tumor. So,
4 eventually, you're dealing with a majority of
5 cells that are abnormal.

6 Early on, you're dealing with very few
7 and, in fact, you can't tell they're there.

8 Q. Is it abnormal cells that are listed --
9 that are described by this graph on the Y axis on
10 left-hand side, as opposed to all lymphocytes?

11 A. It's all lymphocytes. And as we go out
12 in time, that becomes progressively, again, a
13 representative of the tumor cells. And the --
14 that's characterized quite well in the Stryckmans
15 article.

16 Q. Does the fact that the line that is
17 drawn through these various datapoints on Exhibit
18 4, related to Mr. Chambers, tell you anything
19 about the constancy of the doubling time of
20 chronic lymphocytic leukemia.

21 A. You betcha. It illustrates that once
22 the -- the very well-known and appreciated
23 characteristic of CLL is that the kinetics are
24 very constant. The other thing it demonstrates,
25 in this case, is -- again, the fact that those

187

1 points depict a line so -- so well is
2 characteristic of a very reproducible dodbling
3 time.

4 Q. Are the opinions which you have provided
5 in this case to Mr. Galbraith, in response to the
6 questions posed by Mr. Galbraith, Mr. Barrie and
7 myself, based upon reasonable scientific
8 probability, after a review of the medical
9 records of Mr. Chambers and the various
10 scientific and medical articles you have referred
11 to, as well as your background, which you've
12 described for us?

13 A. Yes, sir.

14 Q. Have you -- during your career, have you
15 authored or edited any books in the -- on the
16 subjects of hematology, toxicology, molecular
17 toxicology, those sorts of subjects?

18 A. Yes, sir.

19 Q. Can you tell us the names of the books
20 which you've either authored -- authored chapters
21 in or edited, or are they listed on your CV?

22 A. They're -- they're listed in my CV.
23 Several times throughout your deposition today
24 you have said things like, "I've been successful
25 in demonstrating," or "We've been successful in

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

188

1 demonstrating" in referring to certain
2 investigations. What were you talking about?

3 A. I was referring to studies that I've
4 been involved in, or have been done in my
5 laboratory. Basically, we have -- "we" again,
6 meaning in my laboratory, either at CIIT or since
7 then, have been involved in a variety of studies
8 to characterize the effects of benzene, benzene
9 metabolites, on toxicity to the bone marrow, to
10 the stem cells in the bone marrow, to lymphocytes
11 and to the blood-forming organs and the immune
12 system.

13 And at various times today, we've
14 touched on subjects for which my own work was
15 relevant to the discussion and, in that context,
16 I've said, "I" or "We."

17 Q. One thing I want to be sure we
18 understand, when you talked about demonstrating
19 something in your lab, you're not talking about
20 just reviewing articles that someone else has
21 written; is that fair?

22 A. That's correct.

23 Q. You have actually been involved directly
24 in animal studies; is that true?

25 A. Yes, sir.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-00-16

189

1 Q. Have you been involved in -- and I
2 hesitate to use the term, and I'll hope you'll
3 tell us what it means, but in human studies?

4 A. Yes, sir. 5 Q. Obviously, we can't use, and wouldn't
6 want to use humans to study the effects of drugs,
7 chemicals, and that sort of thing, the way we can
8 with animals. How do you do human studies? What
9 are you talking about?

10 A. Well, we basically -- there are two
11 major types of research that one can do with --
12 and that can be called human studies.

13 We're -- we're -- we're engaged in both.
14 The first is to compare human bone marrow cells
15 and stem cells and their behavior and their
16 susceptibility to toxic -- toxic compounds in
17 culture. And we can compare those to animal
18 cells such as the mouse. And we can, doing that,
19 obtain a -- an understanding as to the relative
20 differences and the susceptibility of the
21 individual cell types. And since we can expose
22 mice to toxic compounds, we can then begin to
23 extrapolate from the mouse to man based on what
24 we know about the relative susceptibilities and
25 effects of the different cells in culture.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

190

1 The other arena in which we can begin to
2 get a handle on the -- the nature of how-blood
3 cells work, and their susceptibility to toxic
4 agents is in the arena of therapy, where patients
5 are being treated for diseases, such as leukemia
6 or other solid tissue, tumor types, and may -
7 and the therapy may, in fact, require an
8 examination of their bone marrow, or it may
9 involve a bone marrow transplantation, which is
10 now a very rapidly progressing and developing
11 area of research in terms of approach to therapy
12 for tumor types, as well as leukemia.
13 And one of the areas we're interested in
14 is understanding how one can go about taking a
15 patient's own stem cells, purifying them,
16 treating him for whatever his particular cancer
17 is, in such a way that we don't have to worry
18 about the damage it's going to cause to his bone
19 marrow, because we can then reinstall his own
20 bone marrow cells back into him, to allow him to
21 survive and recover.

22 This is a new treatment, modality, if
23 you will, that is being investigated at a number
24 of centers across the country and in various
25 types of protocols.

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

191

1 Our own particular bone marrow
2 transplantation center at the University of
3 Colorado is focusing on what's called -- it's a
4 big word, but it's very simple -- autologous bone
5 marrow transplantation, which is reinfusing the
6 patient's own marrow back after treatment for
7 cancer.

8 Q. Mr. Barrie mentioned earlier a test
9 known as the "Ames Test." What types of cells
10 does the Ames Test use in determining
11 mutagenicity?

12 A. Particular strains of E. coli, which are
13 bacteria that's normally found in the gut and the
14 intestines.

15 Q. These aren't human tissue cells; then,
16 that are being used, but rather bacteria cells;
17 is that fair?

18 A. That's correct.

19 Q. And that would be as opposed to the
20 types of human tissue, marrow tissue
21 investigations you described for us a few minutes
22 ago.

23 A. Well, certainly, we're using human
24 cells, bone marrow cells. We're not using
25 bacteria.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

192

1 Q. Mr. Barrie also asked some questions
2 about the -- what's called clastogenecity of
3 benzene. I know I've had four or five cups of
4 coffee during this deposition today. Is coffee
5 or caffeine a clastogenic agent?

6 A. Yes, sir, it is.

7 Q. Are there lots of clastogenic agents
8 that each of us ingests each day of our lives?

9 A. Lots of clatogenic agents and lots of
10 carcinogenic agents.

11 MR. SCOTT: I believe that's all I
12 have. Thank you, Doctor.

13 MR. GALBRAITH: Let's take a little
14 break.

15 THE VIDEOGRAPHER: It's now 3:13.

16 We're off the record.

17

18 (Whereupon, after a brief recess, the
19 video deposition continued as follows:)

20

21 THE VIDEOGRAPHER: It's now 3:28,
22 and we're back on the record.

23

24 (Whereupon, there was a discussion held off
25 the record, after which the proceedings continued
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

193

1 as follows:)

2

3 (Whereupon, Irons Exhibit Nos. I and 8

4 were marked for identification.)

5

6 MR GALBRAITH: Dr. Irons, after

7 reviewing my notes, I have no further questions

8 for you. Thank you, sir.

9

10 RE-EXAMINATION

11 QUESTIONS BY MR. BARRIE:

12

13 Q. Sir, let me just show you what's been

14 marked as Exhibit No. 7 and ask you, sir, if you

15 can identify that document?

16 A. Yes. This is the letter that I

17 received, the cover letter I received with the

18 medical records of Mr. Chambers, when they came.

19 Q. Is that the engagement letter, if you

20 will, with Mr. Scott --

21 A. Yes.

22 Q. -- retaining your services as an expert

23 in this case?

24 A. Yes.

25 Q. Let me also show you, sir, what's been

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

1 marked as Exhibit No. 8, and it's the last page
2 of three documents, and ask you if you can tell
3 me what that is, sir?

4 A. These are the notes of my technical
5 assistant, who I had go through Mr. Chambers'
6 medical records to determine his weight history
7 in those records and to associate his weight at
8 the time that the various blood tests were
9 performed.

10 Q. Those three pages, which is marked as
11 Exhibit 8 on the last of the page, were those the
12 documents that you used to come up with your
13 printout which is marked as Exhibit No. 5 in this
14 case?

15 A. These were used simply to correlate his
16 weight with the blood data, which was then used
17 to construct that chart. And that, in turn, was
18 used in providing the averages that are used on
19 the computerized sheet.

20 For most of those, the weight
21 corresponds to the actual day or admission when
22 the blood test was taken. When, in fact, there
23 was no weight data available, we used the nearest
24 weight we could find in the chart. Since his
25 weight doesn't vary that much, it didn't seem, to
WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

195

1 me, to be particularly important.

2 Q. These three documents marked as Exhibit
3 No. 8 on the last of the third page, these report
4 weight in pounds at a specific time period?

5 A. Yes.

6 Q. And does that correlate with Exhibit
7 No. 7 to give us the weight in kilograms during
8 the same time period?

9 A. We simply translated pounds into
10 kilograms so that we could use the nomogram as
11 published by Dr. Stryckmans.

12 Q. I understand that, but I just want to
13 make sure that Exhibit No. 8 relates to number -
14 Exhibit No. 7, insofar as we're transposing
15 weight in pounds to weight in kilograms, correct?

16 A Yes, sir.

17 Q. I want to just ask you some general
18 questions of Exhibit No. 7. I understand what
19 the column under "Date" means, and I'm fairly
20 sure I understand what the WBC, or the white
21 blood cell count is.

22 The next column is "Percent."

23 A. It should be "Percent Lymphocytes."

24 Q. Okay. And where did that number come
25 from?

WORLDWIDE COURT REPORTERS, INC. fiOUSTON(713)651-1100/BEAUMONT(409)833-0016

196

1 A. From the differential smear --
2 differential examination of the blood smear in
3 each of those particular blood tests.

4 Q. And that was reported in the medical
5 literature you reviewed?

6 A. In -- in his medical history, yes, sir.

7 Q. The next column is "Absolute" --

8 "Absolute Count Lymphocytes."

9 A. Yes.

10 Q. Can you tell me where that number came
11 from?

12 A. That is simply the product of the
13 fraction of total cells that the lymphocytes
14 represent times the total blood count, so it
15 gives you the -- the quantitative number of
16 lymphocytes per cubic millimeter.

17 Q. As we move over, there's a column called
18 "Weight" in kilograms, and we've established
19 what that is.

20 The next column is the "Body Burden."

21 A. Yes.

22 Q. And there's something written on the top
23 of that I just can't read. Would you just tell
24 me what that is.

25 A. "Stryckmans." That's --

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

197

1 Q. So that's the calculation from the
2 Stryckmans' work --

3 A. That's the

4 Q. -- that you talked about?

5 A. Yes. I'm extrapolating from his -- from
6 his chart.

7 Q. And then there's another column with a
8 star over -- over the top, giving some numbers.

9 And the first one, corresponding to the date
10 December 20th, 1989, 8.7 times 10 to the 11th.

11 And what number is that, sir, and how was it
12 derived?

13 A. That's the estimated body burden, which
14 would be the product of his weight in kilograms
15 times the Stryckmans' nomogram number.

16 Q. And what significance does that series
17 of numbers under that column play?

18 A. That is the estimated body burden using
19 that technique.

20 I then averaged that on a yearly basis
21 to come up with the numbers that are on the
22 computerized sheet that was then used to -- as
23 the basis for the graph.

24 Q. You're referring, of course, to Exhibit

25 No. 5?

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

198

1 A. Yes. And there's also a doubling time
2 analysis on that.

3 Q. And the way Exhibit No. 7 and Exhibit 5
4 and Exhibit 4 relate together is that you take
5 these numbers on Exhibit No. 7, as modified by
6 Exhibit No. 5, and you plot it on Exhibit No. 4?

7 A. Yes.

8 Q. Although you have the numbers under
9 Exhibit No. 4, that work around this line that
10 you've drawn through them, would it be fair to
11 say that the line, as it goes towards the axis
12 which is labeled "Cell Number" is frankly an
13 assumption on your part, based on the analysis
14 contained within the works that you've cited?

15 A. This is an extrapolation, which-by
16 definition assumes that the -- the literature
17 that I -- that has formed the basis of my opinion
18 and this analysis is, in fact, correct, yes.

19 Q. Have you understood my questions today,
20 sir?

21 A. I believe so, yes.

22 MR. BARRIE: I pass the witness.

23 MR. SCOTT: Let me grab this
24 microphone. I have just a couple of questions.

25

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016

199

1 RE-EXAMINATION

2 QUESTIONS BY MR. SCOTT:

3

4 Q. Dr. Irons, Mr. Barrie asked you same
5 questions earlier about -- asking whether you had
6 been in either a Marathon -- Marathon Oil or a
7 Monsanto Company chemical plant or refinery.
8 Have you been in chemical plants and refineries
9 in your career?

10 A. Yes, sir, I have.

11 Q. And I understand not for either of these
12 Defendants, but for other companies, you have
13 visited and seen the types of operations that
14 those companies have in chemical plants and
15 refineries?

16 A. Yes, I have.

17 Q. He also asked you some questions
18 concerning 55-gallon drums of benzene, and you
19 indicated that the use of 55-gallon drums --
20 well, I don't remember -- was outrageous or
21 outlandish, something along those lines.

22 MR. BARRIE: Let me just object to
23 the form of question. I've not asked this
24 gentleman one question about 55-gallon drums of
25 benzene.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

200

1 MR. SCOTT: Okay.

2 Q. (By Mr. Scott) I'm sorry. Mr. Barrie --

3 Mr. Galbraith may have asked you those questions
4 earlier today. I want to be sure I understand
5 what you were saying.

6 First, do you have any reason to believe
7 that there were 55-gallon drums of benzene at any
8 Monsanto or Marathon facility in which
9 Mr. Chambers worked?

10 A. No. I have no information on that.

11 Q. Based upon your knowledge of the
12 processes that are involved in chemical plants
13 and refineries, do you have reason to question
14 whether there are -- there were 55-gallon drums
15 of benzene at those types of plants and
16 refineries?

17 A. Based on my knowledge of the chemical
18 industry, and only that, I would find it
19 extremely unlikely and, in fact, I can't think of
20 any reason why any company would leave 55-gallon
21 drums of benzene sitting around for any length of
22 time.

23 Benzene is flammable. It's extremely
24 flammable. It represents a fire hazard, amongst
25 other things. And keeping large amounts of

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

201

1 benzene around for use as a cleaning solvent
2 seems, to me, incredulous. It's hard to believe.
3 MR. SCOTT: I believe that's all I
4 have. Thank you.

5

6 RE-EXAMINATION

7 QUESTIONS BY MR. BARRIE:

8

9 Q. You have no personal knowledge, sir, of
10 what the standard operating procedures were of
11 the Marathon plant, or for the contractors,
12 subcontractor personnel working in that plant, or
13 of any other facility here in Texas, do you?

14 A. No, sir.

15 Q. And you mentioned it would be
16 incredulous to have barrels of benzene used for
17 cleaning solvents, from a probability
18 standpoint. Did I understand that correctly?

19 A. Yes, sir.

20 Q. Would it not also, sir, be incredulous,
21 from a health standpoint, to have barrels of
22 benzene used in a refinery for the cleaning of
23 hands and tools?

24 A. For that purpose, yes, sir.

25 MR. BARRIE: I pass the witness.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

202

1 MR. SCOTT: I think that's all I
2 have. Thank you.

3 THE VIDEOGRAPHER: It's now 3:37.

4 This is the end of Tape No. 3. We're off the
5 record.

6

7 (Whereupon, after a brief discussion off
8 the record, the stenographic record continued as
9 follows:)

10

11 MR. BARRIE: I just need to put a
12 statement on the record.

13 MR. SCOTT: Okay.

14 MR. BARRIE: Plaintiffs are going
15 to object to the use of this testimony in the
16 trial of the matter of this case insofar as it
17 concerns the Defendant Monsanto.
18 The Judge has ruled on several occasions
19 that the Defendants are not able to use Dr. Irons
20 as a testifying expert, and I'll object to all
21 questions being directed by Mr. Scott to this
22 gentleman. And I'll further object to its being
23 used by Mr. Scott, Ms. Lewis or Mr. Goforth in
24 the trial of the matter, pursuant to the Judge's
25 Order.

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

203

1 MR. SCOTT: Monsanto would
2 obviously comply with all orders of the Court,
3 and any reconsiderations of those.

4

5

6

7 RICHARD D. IRONS

8

9 SUBSCRIBED AND SWORN to before me,
10 the undersigned authority, on this the day
11 of 1991.

12

13

14 Notary Public

15

16

17

10

19

20

21

22

23

24

25

WORLDWIDE COURT REPORTERS, INC. HOUSTON(713)651-1100/BEAUMONT(409)833-0016

204

1 THE STATE OF TEXAS

2

3

4

5 I, Emanuel A. Fontana, Jr., Certified
6 Shorthand Reporter in and for the State of Texas,
7 hereby certify that at the time and place stated
8 the witness, Richard D. Irons, personally
9 appeared before me, and after being by me first
10 duly sworn to tell the truth, was examined by
11 counsel for the respective parties hereto; that
12 the testimony of said witness was taken in
13 shorthand by me, later reduced to typewriting
14 under my direction, and the foregoing 203 pages
15 are a true and correct transcript of said
16 testimony.
17 GIVEN under my hand and seal of office
18 on this 5th day of February, 1991.

19

20

21

Emanuel A. Fontana, Jr. -

22 Certified Shorthand Reporter in and for the State of Texas 23 Reporter Certification
'Nb. 1232 Expires December 31, 1992 24 25

WORLDWIDE COURT REPORTERS, INC.

HOUSTON(713)651-1100/BEAUMONT(409)833-0016
