

COPY

MF 500 - Dr. Emmet Kelly

**In the Court of Common Pleas
Philadelphia County**

In Re:)
Paoli Railroad Yard) Master File Number
PCB Litigation) 90-0609-C-6

**In the United States District Court
For the Eastern District of Pennsylvania**

In Re:) Master File Number
Paoli Railroad Yard) 86-2229
PCB Litigation) Relates to all Actions

Volume III

July 16, 1991

**Continuation of the deposition of DR. EMMET KELLY, taken on
behalf of Plaintiffs.**

GORE REPORTING COMPANY

**Boatmen's Tower, Suite 1175 - 100 North Broadway
St. Louis, Missouri 63102
(314) 241-6750**

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

In the Court of Common Pleas

Philadelphia County

In Re:)
Paoli Railroad Yard) Master file number
PCB Litigation) 90-0609-C-6.
)

In the United States District Court
For the Eastern District of Pennsylvania

In Re:) Master file number
Paoli Railroad Yard) 86-2229,
PCB Litigation) Relates to All Actions

VOLUME III

Continuation of the deposition of
DR. EMMET KELLY, taken on behalf of
Plaintiffs, at the offices of Brown & James,
705 Olive Street, in the City of St. Louis,
State of Missouri, commencing at 10:00 a.m.
on the 16th day of July, 1991, before
J. Bryan Jordan, certified shorthand reporter
and notary public.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

FOR THE PLAINTIFFS:

Mr. Arnold E. Cohen
Klerh, Harrison, Harvey, Branzburg
& Ellers
1401 Walnut Street
Philadelphia, Pennsylvania 19102

Mr. John F. Innelli
Kohn, Savett, Klein, & Graf, P.C.
2400 One Reading Center
1101 Market Street
Philadelphia, Pennsylvania 19107

FOR THE DEFENDANT MONSANTO COMPANY:

Mr. Michael H. Malin
White & Williams
One Liberty Place, Suite 1800
1650 Market Street
Philadelphia, Pennsylvania 19103-7301

1 FOR THE DEFENDANT AND THIRD-PARTY DEFENDANT
2 GENERAL ELECTRIC COMPANY:

3 Mr. Stephen M. McManus
4 Liebert, Short & Hirshland
5 31st Floor
6 1901 Market Street
7 Philadelphia, Pennsylvania 19103

8
9 FOR THE DEFENDANT BUDD COMPANY:

10 Mr. R. Thomas McLaughlin
11 Kelly, McLaughlin & Foster
12 1700 Atlantic Building
13 260 Broad Street
14 Philadelphia, Pennsylvania 19102

15
16 FOR THE DEFENDANT AMTRAK:

17 Ms. Suzanne H. Gross
18 Margolis, Edelstein, Scherlis, Sarowitz
19 & Kreamer
20 The Curtis Center
21 Fourth Floor
22 Independence Square West
23 Philadelphia, Pennsylvania 19106-3304
24
25

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 FOR THE DEFENDANT CONSOLIDATED RAIL CORPORATION:
2 Ms. Colleen F. Coonelly
3 Pepper, Hamilton & Scheetz
4 3000 Two Logan Square
5 18th and Arch Streets
6 Philadelphia, Pennsylvania 19109
7
8 FOR THE DEFENDANTS SOUTHEASTERN PENNSYLVANIA
9 TRANSPORTATION AUTHORITY AND THE PENN CENTRAL
10 CORPORATION:
11 Mr. Roger F. Cox
12 Blank, Rome, Comisky & McCauley
13 1200 Four Penn Center Plaza
14 Philadelphia, Pennsylvania 19103
15
16
17
18
19
20
21
22
23
24
25

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

PAGE

EXAMINATION BY MR. COHEN	525
EXAMINATION BY MR. INNELLI	732

EXHIBITS

Kelly Exhibit 23	574
Kelly Exhibit 24	589
Kelly Exhibit 25	705
Kelly Exhibit 26	707
Kelly Exhibit 27	725
Kelly Exhibit 28	728
Kelly Exhibit 29	737

Request for all toxicity tests done by Monsanto Company	662
--	-----

1 (The deposition of Dr. Emmet Kelly
2 was resumed on the 16th day of July, 1991, at
3 10:00 a.m., as follows:)

4 MR. COHEN: Same stipulation,
5 Michael?

6 MR. MALIN: Yes, same stipulation
7 as last time.

8 Now, do I understand, Arnold, I
9 didn't hear from you by Thursday afternoon
10 about anything that we were supposed to have
11 had here that we don't have, so I presume
12 that you have everything.

13 MR. COHEN: Well, I don't know if
14 that's a good presumption, but it's true, you
15 didn't hear from me. I'm not going to try to
16 reserve any rights to recall the witness
17 based upon any document that I had asked you
18 for before and that you had offered to
19 produce and it was not produced, but to the
20 extent that I had asked you for it and it was
21 not produced, I may reserve rights, but I
22 don't know what those documents are at this
23 time. I'd like to proceed with Dr. Kelly's
24 deposition, picking up, if I can, where we
25 left off and trying as much as possible,

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Doctor, considering the intervening time, to
2 not duplicate anything that has been
3 previously asked and answered, and I'm sure
4 that your counsel has --

5 MR. MALIN: Thank you.

6 MR. COHEN: -- your entire
7 transcript memorized, so if I do ask --

8 THE WITNESS: Would you speak a
9 little louder?

10 MR. COHEN: I'm sure Counsel has
11 the transcript memorized, so if I do ask
12 something that's been previously asked, he'll
13 give me the page and line number.

14 MR. MALIN: I wouldn't necessarily
15 count on that, but --

16 MR. McLAUGHLIN: For the record,
17 am I correct that I think in your prior
18 deposition, we agreed that an objection by
19 one counsel will be considered an objection
20 by all?

21 MS. COONELLY: All defense
22 counsel.

23 MR. MALIN: Yes.

24 MR. COHEN: Well, I guess that
25 depends, now that there are a number of

1 counterclaims that have been asserted or
2 cross claims, here, that have been asserted.
3 Do you want to have those same objections you
4 made before? I mean, I don't care.

5 MS. COONELLY: Why don't we have
6 that agreement unless someone says otherwise.

7 MR. McLAUGHLIN: Fine.

8 MR. COX: So stipulated.

9 MS. GROSS: Fine.

10 MR. COHEN: Do we have both
11 captions?

12 Do you have a Federal Court
13 caption?

14 MR. MALIN: I really don't think I
15 do. I don't have.

16 MR. COHEN: If we don't have one
17 with us, we'll make sure you get one, but
18 we're taking this in both the state court
19 actions and the Federal Court actions.

20 MR. MALIN: We can easily make a
21 phone call and get the number for you, so
22 that's no big deal.

23

24

25

1 Whereupon. . . .

2 EMMET KELLY, M.D.,
3 of sound mind, having been previously duly
4 sworn to tell the truth, the whole truth, and
5 nothing but the truth in the case aforesaid,
6 testified upon his oath as follows, to-wit:

7 EXAMINATION (Continued)

8 BY MR. COHEN:

9 Q. Doctor, when we were last
10 together, we had asked you some questions
11 about a number of different analytical tests
12 that Monsanto had used over the years. One
13 of the issues that came up was gas
14 chromatography. Do you recall --

15 A. Yes, sir.

16 Q. -- discussing that earlier?

17 A. Yes, sir.

18 Q. What was the first time, to your
19 recollection, that Monsanto had the
20 analytical tool of gas chromatography
21 available to them?

22 A. I can't answer that. I do not
23 know.

24 Q. Was it -- it was during your
25 tenure as Medical Director? .

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 A. Oh, yes, certainly.

2 Q. So it would have been sometime
3 prior to 1975?

4 A. Yes, sir.

5 Q. Do you recall whether it was prior
6 to 1970?

7 A. Well, there were additions to the
8 simple gas chromatography. In other words,
9 I'm not sure when gas chromatography was a
10 standard analytical tool, but Jensen had some
11 adaptations of the gas chromatography. I
12 don't know enough about analytical chemistry
13 to know what they had, what he had, but
14 Monsanto did not have that expertise,
15 instrument at that time.

16 Q. When you say Jensen, who is
17 Jensen?

18 A. Jensen and Widmark, the Swedes
19 who --

20 Q. Oh, the scientists who had first
21 done the studies in Europe.

22 A. That's correct.

23 MR. MALIN: For the record, Jensen
24 and Widmark are the two Swedish scientists
25 who discovered the existence of certain

1 fluorinated hydrocarbons in bird feathers in
2 approximately 1966 -- we discussed that last
3 time -- using a method of gas chromatography.

4 MR. COHEN: Yes.

5 BY MR. COHEN:

6 Q. Had you finished your answer,
7 Doctor?

8 A. Yes, I did.

9 Q. Now, do I understand your
10 testimony to be that Monsanto did not have
11 the analytical tool of gas chromatography
12 available to them when Jensen and Widmark
13 did?

14 A. But I do not know if it was, if
15 they had gas chromatography but did not have
16 an adaptation of further refinement of the
17 tool that Jensen and Widmark had. That's
18 correct.

19 Q. What was that adaptation called?

20 MR. MALIN: I'm going to object to
21 the form of the question insofar as it
22 doesn't define gas chromatography and all of
23 the other accouterments that accompanied its
24 development, its rather, its rather rapid
25 development during that period, which were

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 very critical.

2 MR. COHEN: I have no idea what
3 that objection means, and certainly, it's
4 already contrary to our stipulation, but you
5 can make whatever objection you want to make
6 for the record.

7 MR. MALIN: I'll object to the
8 form of the question. It's too broad and
9 vague.

10 MR. COHEN: I'm asking the witness
11 what adaptation he was referring to.

12 A. I do not know. I am not an
13 analytical chemist.

14 BY MR. COHEN:

15 Q. What were you aware of -- in the
16 field of analytical chemistry, that is --
17 what was available in the way of technique
18 during the time period that we're referring
19 to, which would be the mid Sixties?

20 A. Practically nothing.

21 Q. What did you know Monsanto had
22 available to it in its own laboratories from
23 the standpoint of analytical technique at
24 that time?

25 A. I did not know anything about the

1 details of their analytical expertise.

2 Q. Would it be fair to say, then,
3 whatever reports that they were providing to
4 you regarding product or the contents of
5 product or the makeup of the product was all
6 tempered by your lack of knowledge of their
7 analytical technique?

8 MR. MALIN: Excuse me. Object to
9 the form of the question.

10 Answer the question.

11 A. Please repeat it.

12 MR. COHEN: I don't know if I can.
13 Mr. Jordan?

14 (The requested portion of the
15 record read by the reporter)

16 A. Well, I don't understand what you
17 mean by what's tempered by. What does that
18 mean?

19 BY MR. COHEN:

20 Q. Well, from your understanding of
21 the reports or the information they gave to
22 you, you were unable, in your own mind, to
23 know the technique that they used. Is that
24 correct?

25 A. I did not know the technique they

1 used, but I accepted their reports as valid.

2 Q. So the information was information
3 that you accepted from another department
4 within your employer's organization, and you
5 accepted it at as being a valid report?

6 A. That is correct.

7 Q. Did you ever question whether they
8 had the ability to further analyze the
9 material that was being manufactured, for
10 example?

11 A. No, I never questioned it.

12 Q. Did you ever see reports done by
13 the Quality Control Department while you were
14 Medical Director, indicating an analysis of
15 the product?

16 A. I may have, but I do not recall
17 it.

18 Q. And would it be fair to say that
19 whatever the report said is what you accepted
20 as being the available information within
21 house?

22 A. Yes, that's correct.

23 Q. When did you first hear of the
24 technique called gas chromatography?

25 A. I haven't the slightest idea when

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 I first heard of it.

2 Q. More than ten years ago, though?

3 A. More than twenty years ago.

4 Q. Would it have been during the time
5 you were still employed by Monsanto as
6 Medical Director?

7 A. That is correct, yes, sir.

8 Q. Would it have been in the decade
9 between 1960 and 1969?

10 A. 19 --

11 Q. '60 and '69.

12 A. I cannot answer that. I do not
13 know when I first heard of it

14 Q. You have no recollection of it?

15 A. No, I do not.

16 Q. When did you learn that Monsanto
17 first had the technique available to them?

18 A. Which technique, now?

19 Q. Gas chromatography.

20 MR. MALIN: I object to the form
21 of that question.

22 Answer the question.

23 A. I don't remember.

24 BY MR. COHEN:

25 Q. Do you recall, sir, an

1 investigation being conducted by a Dr.
2 Horwtiz of the Food and Drug Administration
3 looking for a chlorinated compound X?

4 A. No, sir.

5 Q. I realize it was quite some time
6 ago. I'm not expecting you to recall
7 everything that happened thirty years ago,
8 but I'm going to try to refresh your
9 recollection if I can. C. J. Eby; do you
10 remember that name?

11 A. Yes, I do.

12 Q. All right, can you tell me who was
13 C. J. Eby?

14 A. I believe he was a Monsanto
15 employee at one time. He was associated with
16 the Washington office.

17 Q. And what was his first name?

18 A. Chuck, as far as I know.

19 Q. Chuck?

20 A. That's correct.

21 Q. Was that for Charles, or you don't
22 know?

23 A. I don't know. It probably was for
24 Charles.

25 Q. Did you ever meet the man?

1 A. Yes, I did.

2 Q. What did he do in the Monsanto
3 office in Washington?

4 A. Represented Monsanto's interests.

5 Q. Where?

6 A. With the government.

7 Q. Was he a lobbyist?

8 A. No, he wasn't a lobbyist. I don't
9 know what, what position the Washington
10 office had or what he did in it, but he was
11 the man who made appointments with the -- for
12 me with the Food and Drug Administration, the
13 Department of Agriculture, things of that
14 sort.

15 Q. So he facilitated your ability to
16 see government employees?

17 A. That's correct.

18 Q. Did you ever meet with Mr. Eby
19 when you went down to Washington, D.C.?

20 A. Yes.

21 Q. Did Mr. Eby attend these meetings
22 with you and government employees?

23 A. He may in some and he may not. I
24 don't know which ones he went with me.

25 Q. When he did attend these meetings,

1 did it seem clear to you that Mr. Eby had a
2 certain familiarity with the individual in
3 the government?

4 A. Well, not particularly. He knew
5 the office he was in; he made the
6 appointment.

7 Q. But beyond that, you didn't notice
8 anything indicating any particular
9 familiarity between Mr. Eby and the official
10 that you went to see?

11 MR. MALIN: Object to the form of
12 the question. I don't understand what he
13 means by "particular familiarity." It even
14 has some connotations that I think may be
15 offensive, but that's all right.

16 MR. COHEN: That's certainly a
17 product of your own mind, then.

18 BY MR. COHEN:

19 Q. Well, did he indicate that they
20 played golf, or --

21 A. No

22 Q. -- or went out for a drink or
23 anything like that?

24 A. None.

25 Q. Play cards, anything?

1 A. No. He was just a person who
2 arranged a meeting with me with people I
3 wanted to see, and he, he didn't show any
4 undue familiarity or friendship with these
5 people.

6 Q. What was the office in Washington
7 called at that time?

8 A. The Washington office.

9 Q. That's it?

10 A. That's it.

11 Q. How big was it?

12 A. Two people at one time, three or
13 four at another time.

14 Q. So they didn't have any production
15 facility there, obviously.

16 A. Oh, no.

17 Q. Was it strictly some
18 administrative activity?

19 A. No, I think they, this person,
20 these people there had something to do with
21 seeing what government contracts were going
22 to be let.

23 Q. For manufacture of product?

24 A. Yes, for selling them to this.

25 Q. So was it a sales office?

1 A. Well, it represented Monsanto to
2 the government, so I don't know if they took
3 the orders or referred it to the
4 possibilities of sales to the marketing group
5 in St. Louis. I just don't know that.

6 Q. Were you, in that time period when
7 Monsanto was maintaining this office and Mr.
8 Eby was working there, was Monsanto, to your
9 knowledge, regulated by any government
10 agency?

11 MR. MALIN: Object to the form of
12 that question.

13 A. I wouldn't know.

14 MR. MALIN: Answer the question.

15 A. I don't know.

16 BY MR. COHEN:

17 Q. Well, if you don't know, just tell
18 me you don't know.

19 A. I don't know.

20 Q. Did any product that you sell have
21 to be registered with any agency such as the
22 Food and Drug Administration?

23 A. We got approval by the Food and
24 Drug Administration on some products. As far
25 as the registration was concerned, we had

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 agricultural products that were registered by
2 the Department of Agriculture. I do not
3 believe that the Food and Drug Department
4 registered products.

5 Q. So you got type approval from them
6 for certain products manufactured in the
7 agricultural division?

8 A. Who is the "them"?

9 Q. The Food and Drug Administration.

10 A. No, the Department of
11 Agriculture --

12 Q. Oh, I see.

13 A. -- registered the product.

14 Q. And what type of products were
15 they? Do you remember?

16 A. Herbicides.

17 Q. Just herbicides?

18 A. We may have had an insecticide
19 registered. We may have had Parathion
20 registered.

21 Q. Did any of those products, to your
22 knowledge, contain chlorinated hydrocarbons?

23 A. Not that I recall, no, sir.

24 Q. And you have no recollection of an
25 investigation of a so-called chlorinated

1 compound X?

2 A. No, sir.

3 Q. Who was H. S. Bergen?

4 A. He was in the Marketing Department
5 of Monsanto.

6 Q. Do you know where Mr. Eby is
7 today?

8 A. I don't have the slightest idea.

9 Q. Do you know if he's even alive?

10 A. I don't know anything about him.

11 Q. Was he a chap younger than
12 yourself, same age, elder, older than
13 yourself?

14 A. He was considerably younger.

15 Q. Than you?

16 A. Than I, yes.

17 Q. And Mr. Bergen, do you know if
18 he's alive?

19 A. I don't know.

20 Q. Do you know his first name?

21 A. Howard.

22 Q. And did you have occasion to work
23 with Mr. Bergen in any activities while you
24 were Medical Director?

25 A. Oh, I'm sure I did. I mean, I --

1 he had a group of compounds, I don't recall
2 which ones they were, but I certainly had
3 contact with him during my term.

4 Q. A group of compounds that he was
5 responsible for marketing?

6 A. Probably, if he were, at one time
7 if he were the product director, he would be
8 responsible for marketing, research, and
9 development of products in that field.

10 Q. He was responsible for all of
11 those activities? Marketing, research and
12 development?

13 A. Yes, sir..

14 Q. Do you know what his title was
15 with the company?

16 A. Director of, or product director
17 of whatever compound it was, whatever group
18 it was. It might have been functional
19 fluids, it might have been heat transfer
20 agents. I don't know what it included.

21 Q. You don't recall?

22 A. No.

23 Q. Do you recall any of the products
24 that were within his scope of authority?

25 A. Well, I'm not sure. I mean, he

1 may have had some plasticizers, he may have
2 had, as I said, hydraulic fluids. I don't
3 know if the electrical fluids came under his
4 domain or not.

5 Q. Well, PCB products were sold in
6 all of those categories that you just
7 mentioned, weren't they?

8 A. Yes.

9 Q. They were sold in plasticizers,
10 sold in hydraulic fluids, and they were also
11 sold in electrical fluids?

12 A. Yes I do not know if the
13 electrical fluids were under him. I do not
14 know that.

15 Q. Do you have a recollection, then,
16 that Mr. Bergen's responsibility would have
17 included marketing research and development
18 of products containing PCB fluids?

19 A. Yes, sir.

20 Q. Now, you said that you had contact
21 with him. What would have been the nature of
22 your contact with Mr. Bergen in his capacity
23 doing research, development and marketing of
24 those categories of products, plasticizers,
25 et cetera?

1 A. Well, he was not doing the
2 research, obviously, the research and
3 development, and people who reported to him,
4 he may have very well talked to me about the,
5 the toxicological aspect of any of these
6 newer products or combination of products.
7 He might very well have talked to me about
8 consumer inquiries related to the safety or
9 safe handling of any of these products.

10 Q. Did you maintain a record of
11 consumer inquiries?

12 A. Yes.

13 Q. Now, would that have been -- when
14 you say consumer inquiries, are you speaking
15 of retail consumers out on the street, or are
16 you speaking of your wholesale consumers,
17 such as other manufacturers, other users?

18 A. Well, we did not sell any of the
19 products out on the street. We sold them to
20 other manufacturing people.

21 Q. I understand that you didn't sell
22 them as a retail outlet, but my question to
23 you is, did your inquiries just deal with
24 other manufacturers or did it involve what
25 would be considered ultimately retail

1 consumers, also?

2 A. I don't recall any from ultimate
3 retail consumers. Like, you mean there's a
4 can of a Monsanto product on a hardware
5 shelf?

6 Q. No, but you did manufacture an
7 additive for plastic seat covers for
8 automobiles, did you not?

9 A. Well, that would go to General
10 Motors or Ford? I don't consider that out on
11 the street.

12 Q. I understand that, but did any
13 consumers of those products ever trace the
14 product back to Monsanto and make inquiry?

15 MR. COX: I object to the form of
16 the question. Any retail consumers?

17 MR. COHEN: Yes. That's what
18 we're talking about, retail consumers.

19 BY MR. COHEN:

20 Q. Did someone who bought a GMC
21 product and had plastic seats in it ever
22 trace the product back to Monsanto and make
23 an inquiry?

24 A. They may or they may not. I do
25 not recall any such. They may very well

1 have.

2 Q. Would it be fair to say since you
3 don't recall any such inquiries, you don't
4 recall whether you maintained any file of any
5 such inquiries?

6 A. Well, no. I maintain a file on
7 the products, but I don't know where the
8 inquiry came from. I don't know that it came
9 from General Motors Detroit, or a Chevrolet
10 agency in South Bend, Indiana. I don't know
11 that. I might very well have gotten a report
12 from, inquiry from General Motors in their
13 central location, but I certainly didn't get
14 any from the retail outlets.

15 Q. So if there was an inquiry that,
16 where someone said they got a rash from
17 sitting in the upholstery in their new
18 Pontiac and that made its way through the
19 chain at General Motors and then came over to
20 Monsanto, you would have that inquiry from
21 General Motors?

22 A. Yes, I would.

23 Q. And if it happened to attach the
24 inquiry all the way down to the retail
25 customer, you might just happen to have that,

1 also?

2 A. If it came from General Motors,
3 certainly, yes.

4 Q. But you would not have had
5 anything direct to you?

6 A. I don't recall any such.

7 Q. What toxicological information
8 would Mr. Bergen have been seeking from you
9 at the time regarding the products he was
10 responsible for?

11 MR. MALIN: Objection to the form
12 of the question.

13 You can answer that, Doctor, try
14 to answer it.

15 A. Well, whatever the -- whatever
16 information we had on the toxicity of a
17 particular product.

18 BY MR. COHEN:

19 Q. Now, when you say of a particular
20 product, would it have been for an ingredient
21 in that product, such as PCB's, or would it
22 have been for the finished product, such as
23 "X" brand pesticide?

24 MR. MALIN: Objection to the form.
25 Again, it's speculative.

1 A. By Monsanto, you mean?

2 BY MR. COHEN:

3 Q. Yes.

4 A. I think he probably would want
5 both, because the basic active ingredient
6 might be in several different formulations,
7 but he also would want to know the
8 information as to the toxicity, and safe
9 handling procedures and recommendations for
10 the product as it left our warehouses.

11 Q. Would you, then, have provided him
12 with information for both the product as a
13 whole, and its component parts?

14 A. Yes, I would have.

15 Q. How far down would you have taken
16 those component parts if you had a product
17 that contained, for example, a PCB that was
18 chlorinated, 48 percent: Would that be
19 Aroclor 1248?

20 A. Yes.

21 Q. If it was chlorinated to 48
22 percent, would you give him the information
23 on Aroclor 1248, as well as the product?

24 A. It depends on what he was asking
25 for. In other words, if we sold a product

1 that contained Aroclor 1248 that was blended
2 with another product, I would give him the
3 information on the finished product. He
4 might very well have asked what is the
5 toxicity of Aroclor 1248 in relationship to
6 1254, or 1242, then I would give him that
7 information.

8 Q. Did you have that information
9 available to you?

10 A. Well, I would have had it, yes.
11 Obviously, if it wasn't available, I wouldn't
12 give it to him.

13 Q. You would have had information
14 that broke it down between the different
15 degrees of chlorination?

16 A. Yes, sir.

17 Q. And the source of that information
18 would have been the studies, the scientific
19 studies, the animal tests, et cetera, that
20 you had done over the years; is that correct?

21 A. Yes, sir.

22 Q. And I gather, also, any outside
23 sources of information that you had
24 accumulated.

25 A. If there were such information,

1 yes, sir.

2 Q. If it exists. Would I understand
3 when you did the testing of products like a
4 PCB product, you never asked for testing to
5 be done on the individual component parts of
6 that product?

7 A. That's not correct.

8 Q. Am I incorrect on that point?

9 A. Yes, you are, because if we had a
10 product that contained Aroclor twelve-forty
11 -- 1254, it was blended with
12 trichlorobenzene, for example, we would have
13 the information on our Aroclor 1254. I mean,
14 if we had basic toxicological information on
15 all the Aroclors, then we would get a test on
16 the final mixture of the two products.

17 Q. So if you had a product, the
18 example you just gave me was a mixture of
19 Aroclor and, what did you say, a
20 trichlorobenzene?

21 A. That's correct.

22 Q. You would test both the Aroclor
23 and the combination?

24 A. We would already have tested it.
25 I mean, we, we had tested Aroclor, all the

1 Aroclors during the past thirty years before
2 I left, so then if we had a mixture of a
3 compound, we would test the finished mixture.
4 We wouldn't sit down again and say, well,
5 we'll break these, this ultimate product into
6 various raw materials, as it were, and test
7 those again, because we already knew what the
8 toxicity of the Aroclors was.

9 Q. Well, I think that the question I
10 had asked was with respect to the Aroclor,
11 you never tested the individual components of
12 the Aroclor, not while you were Medical
13 Director.

14 A. Individual components of the
15 Aroclor?

16 Q. Yes.

17 A. Well, Aroclor is a product, and
18 then you mean, are you saying what individual
19 components of this Aroclor are there?

20 Q. Well, I'm speaking of the other
21 components of the product Aroclor 1254, 1260,
22 1242.

23 MR. MALIN: Object to the form of
24 the question as vague.

25 MR. COHEN: Well, what's the

1 objection?

2 MR. MALIN: Well, the other
3 components of the product, I think, I think
4 you are aware that Aroclor 1254 and others
5 are mixtures of various PCB conjugants, if
6 that's what you are talking about.

7 BY MR. COHEN:

8 Q. You agree that Aroclor 1254
9 contained your product as it went out on the
10 street contained other compounds other than
11 simply a, a polychlorinated biphenyl
12 chlorinated to 54 percent chlorine, wouldn't
13 you?

14 A. Yes. It's an average chlorination
15 of 54, of 54 percent chlorination. There
16 were some that would be chlorinated, some at
17 {12E6}, some slower.

18 Q. Higher chlorination?

19 A. Yes, but it's an average.

20 Q. But besides the chlorinated, the
21 polychlorinated, but it contained other
22 compounds?

23 A. It may have trace amounts of other
24 compounds, certainly. Any manufactured
25 product is not a hundred percent pure

1 product. There may be trace amounts, parts
2 per million of other compounds in there, yes.

3 Q. And it's my understanding that you
4 never tested the toxicity of those trace
5 amounts of other compounds, as you call it?

6 A. Only test the whole finished
7 Aroclor. We know what the toxicity of it is,
8 regardless of these trace amounts. They are
9 obviously included in the toxicity testing.

10 Q. Right.

11 A. But we did not --

12 Q. But we covered this before, that
13 this is the reason why you didn't feel it was
14 necessary to trace the individual trace
15 compounds; isn't that correct?

16 A. That's correct, yes, sir.

17 Q. Because you tested the toxicity of
18 the finished product.

19 A. That's correct.

20 Q. And do I take it that likewise,
21 when you had a combination product such as
22 you just described, where you were mixing
23 Aroclor 1254, 48, 42, whatever, with a
24 trichlorobenzene, you did not separately test
25 the toxicity of the trichlorobenzene?

1 A. We did not, no, sir. We tested
2 the finished product.

3 Q. Did Monsanto, to your knowledge,
4 when you made a product where you made a
5 mixture like that, did Monsanto also
6 manufacture the other component of the
7 mixture, the trichlorobenzene?

8 A. They did not manufacture the
9 trichlorobenzene.

10 Q. Did Monsanto ever manufacture
11 trichlorobenzene?

12 A. I'm not certain.

13 Q. Did Monsanto obtain toxicity data
14 on the other component from its manufacturer
15 or supplier?

16 A. Well, if we are speaking of
17 trichlorobenzene, there was ample information
18 in the literature about the toxicity of
19 trichlorobenzene.

20 Q. Well, you are telling me now that
21 you could have gotten that information from
22 literature?

23 A. Yes, sir.

24 Q. Did you ever ask the manufacturer
25 for their information?

1 A. No, sir.

2 Q. Did you ever determine whether the
3 trichlorobenzene that you were receiving from
4 the manufacturer likewise didn't contain
5 trace components of other compounds?

6 A. The Binnacle Department did not;
7 the Manufacturing Department may well have.

8 Q. Was that information made
9 available to you?

10 A. I can't recall whether it was or
11 not.

12 Q. What is the toxicity of
13 trichlorobenzene?

14 MR. MALIN: Objection^o to the form
15 of the question.

16 If you can answer that, Doctor,
17 you may answer it.

18 A. Well, I can't give you the
19 numbers, but I can tell you that it is a
20 compound that is a chlorinated hydrocarbon,
21 obviously, that can cause liver damage, that
22 if inhaled in sufficient amounts or elevated
23 temperatures, would cause a chemical
24 hepatitis. Whether it has any effect on the
25 blood-forming organs or not, I'm not certain.

1 BY MR. COHEN:

2 Q. Do you consider it to be more or
3 less toxic than PCB's?

4 A. I don't believe that there's a
5 great deal of difference between it. It's
6 obviously more volatile than PCB's, so the
7 exposure would be greater. The inherent
8 toxicity, LD-50 may very well be pretty
9 close.

10 Q. With respect to the elements of
11 toxicity that you've just discussed, the
12 effect on the liver, is the effect of
13 trichlorobenzene on the liver similar to the
14 effect of PCB's?

15 A. I don't know whether the enzyme
16 action of trichlorobenzene, whether it
17 affects the same enzymes as PCB. There are
18 any number of functions of the liver, but you
19 can get the chemical hepatitis from both in
20 sufficient quantities.

21 Q. Are the quantities similar
22 required to induce the chemical hepatitis?

23 A. I can't answer that. I haven't
24 tested the toxicity of trichlorobenzene for
25 almost twenty years.

1 Q. You are talking about effects in
2 man or animals?

3 A. Beg your pardon?

4 Q. Are you talking about effects in
5 man or animals?

6 A. Animals. I do not recall any case
7 histories of intoxication by
8 trichlorobenzene, although there very well
9 may have been reports of such.

10 Q. How about the effects of PCB's?
11 Were you referring to man or animal?

12 A. Animals.

13 Q. How about on the blood-forming
14 compartment of the body?

15 A. I know of no reports of, or
16 toxicological information that PCB's had any
17 effect on the blood-forming organs.

18 Q. And that's both in man and
19 animals?

20 A. That's correct.

21 Q. And how about trichlorobenzenes?

22 A. Again, I say I do not, I'm not
23 familiar at the present time with the effects
24 of trichlorobenzene on the blood-forming
25 elements.

1 Q. Do you consider trichlorobenzene
2 more, or less toxic than benzene?

3 A. Less toxic.

4 Q. So benzene, by itself, would be
5 more toxic than trichlorobenzene?

6 A. That's correct.

7 Q. Did you ever make an effort to
8 determine whether the trichlorobenzene that
9 was being sold to Monsanto Chemical Company
10 contained benzene?

11 A. No, sir, I did not.

12 Q. Do you know whether it contained
13 benzene?

14 A. I do not know.

15 Q. Did the Manufacturing Department
16 ever determine whether it contained benzene?

17 A. They may very well have. I have
18 no information concerning that point.

19 Q. But there is no dispute in your
20 mind that for a number of years, Monsanto
21 Chemical Company manufactured, mixed and sold
22 a product that contained trichlorobenzene?

23 MR. MALIN: Objection to the form
24 of the question.

25 MR. COHEN: Well, which part do

1 you object to: Manufacture, mix or sold?

2 MR. MALIN: He's already testified
3 that Monsanto did not manufacture
4 trichlorobenzene.

5 THE WITNESS: Well, Mr. Malin, I
6 said I was not certain. We manufactured a
7 product at Nitro that was an insecticide, a
8 herbicide, in which trichlorobenzene was
9 used. I do not know if we bought the
10 trichlorobenzene or manufactured it. Now,
11 then you had a triple, a tripartite question,
12 there, so we certainly sold material that
13 contained trichlorobenzene, so the answer to
14 that part is yes.

15 BY MR. COHEN:

16 Q. Okay, which, without question, you
17 got from somewhere. You bought it --

18 A. I couldn't hear you. What did you
19 say?

20 Q. You got the material from
21 somewhere, bought it on the market or
22 whatever?

23 A. Or made it, yes, certainly.

24 Q. You had established suppliers, I
25 gather, of trichlorobenzene?

1 A. I'm sure we -- I'm sure they did,
2 but that was certainly not -- that was
3 outside my field, obviously.

4 Q. Oh, you weren't in purchasing.

5 A. No, I wasn't in purchasing or
6 manufacturing.

7 Q. You do know that you sold a
8 dielectric fluid that contained
9 trichlorobenzene.

10 A. Yes, sir.

11 Q. Pyranol?

12 A. Both Inerteen and Pyranol.

13 Q. Both contained trichlorobenzene?

14 A. That's correct.

15 Q. And do you know what else it
16 contained?

17 A. Sure. It contained one of the
18 Aroclors.

19 Q. Who did you manufacture those
20 products for?

21 A. Pyranol for GE and Inerteen for
22 Westinghouse.

23 Q. Do you know how many years you
24 manufactured and sold Pyranol?

25 A. Well, Pyranol was GE's product.

1 They discovered it or originally formulated
2 it, so it was, we were the sole manufacturer
3 in the United States for it. So my -- I
4 think it was probably for forty years.

5 Q. Did GE ever make it themselves?

6 A. I don't know.

7 Q. How about Inerteen?

8 A. What about it?

9 Q. Well, Inerteen was a product of
10 Westinghouse?

11 A. Yes, sir.

12 Q. Were you the sole manufacturer of
13 Inerteen?

14 A. Yes, sir.

15 Q. For how long?

16 A. I don't know.

17 Q. Do you know what Inerteen
18 contained, other than trichlorobenzenes?

19 A. Yes, one of the Aroclors.

20 Q. Did either Pyranol or Inerteen
21 contain anything other than PCB's and
22 trichlorobenzene?

23 A. There may be trace amounts, parts
24 per million of contaminants, but I don't
25 know.

1 Q. Did you ever make any effort to
2 determine the trace amounts, parts per
3 million, of other contaminants in those
4 products?

5 A. Did I, did the Medical Department?

6 Q. Yes. I don't expect to you have
7 done the work yourself, but did you ever
8 order it done?

9 A. No, I thought you meant did
10 Monsanto.

11 Q. Yes.

12 A. Yes what; did Monsanto or did the
13 Medical Department?

14 Q. Well, sir, I wouldn't know who
15 would have done it. In the past, you have
16 testified that you were responsible for
17 ordering tests done.

18 A. Yes, sir.

19 Q. As the Medical Director?

20 A. That's correct.

21 Q. Now, when I speak of "you," it's
22 impossible for me to know whether "you" means
23 you individually or you Monsanto Company
24 because you are the person who did it and you
25 worked for the company that did it, so it

1 would be helpful to me if you told me who did
2 it.

3 A. May I have the question of who did
4 what?

5 Q. Right.

6 THE COURT REPORTER:

7 "Q. Did you ever make any effort
8 to determine the trace amounts, parts per
9 million, of other contaminants in those
10 products?"

11 MR. MALIN: Just so the record is
12 clear, we did at one time furnish with you
13 the formulation of what went into these
14 various products, and I think both Inerteen
15 and Pyranol, as you recall, did have trace
16 amounts of chemicals which were added to it
17 as, one as a chlorine scavenger and two as a
18 stabilizer of some kind. The exact chemical,
19 I don't recall, but you were furnished with
20 that formulation.

21 MR. COHEN: Are you giving
22 substantive testimony now, Mr. Malin?

23 MR. MALIN: I'm just telling you
24 what you've already been furnished, and you
25 could save a lot of time if you would get

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 that and ask about it. It would tell you
2 exactly what was in the particular products
3 that you are apparently asking about.

4 MR. COHEN: Good.

5 Can I have the last question, Mr.
6 Jordan?

7 (The requested portion of the
8 record read by the reporter)

9 A. No, I did not. I don't know what
10 he means by "other contaminants." I don't
11 know what that word "other" refers to,
12 because up to now, we have not singled out
13 any contaminant, but the answer is no.

14 BY MR. COHEN:

15 Q. Do you consider
16 tetrachlorobenzenes to be more or less toxic
17 than trichlorobenzenes?

18 A. I would think they are in the same
19 ball park, but I do not have figures for it.

20 Q. What would be your source of
21 information on the toxicity of
22 trichlorobenzenes and tetrachlorobenzenes,
23 for that matter?

24 A. The various toxicological books,
25 their reports from Henry Smith on various

1 chlorinated benzenes where he lists the
2 various toxicities.

3 Q. When did you first become aware
4 that benzene, itself, was more toxic than
5 either tri- or tetrachlorobenzene?

6 A. I would say probably in medical
7 school, they talked about benzene, causing
8 anemia and they did not talk about
9 trichlorobenzene causing anemia.

10 Q. In medical school, were you aware
11 that trichlorobenzene was a benzene
12 derivative?

13 A. If it ever came up, I would --
14 anybody with rudimentary chemistry would know
15 if you've got trichloribenzene, you got it
16 from benzene; you start with benzene.

17 Q. Well, I guess the question I'm
18 asking you is, when you were talking about
19 the toxic effects of benzene in medical
20 school, were you just talking about benzene,
21 itself, or were you discussing all the
22 various compounds that you can make with
23 benzene?

24 A. No, just benzene.

25 Q. So really, you didn't get any

1 information in medical school about
2 trichloro- or tetrachlorobenzene?

3 A. Not that I recall.

4 Q. So I guess I'm back to the same
5 question: When did you first become aware
6 that benzene was more toxic than trichloro-
7 or tetrachlorobenzene?

8 A. Well, if you have, in medical
9 school, reports that benzene causes leukemia,
10 and you have no reports about
11 trichlorobenzene or tetrachlorobenzene, I
12 assume that benzene was more toxic because
13 they talked about it and wrote about benzene
14 and did not write about the other two.

15 Q. Benzene does, in your opinion,
16 cause leukemia in man?

17 A. One type of leukemia, yes, sir.

18 Q. What kind?

19 A. Beg pardon?

20 Q. What type of leukemia?

21 A. I think it's a myelocytic.

22 Q. Myelocytic?

23 A. Mm-hmm. Could be lymphatic. I'm
24 not sure, but --

25 Q. Is that monomyelo -- I'm trying to

1 remember. Monomyelo -- I can't remember.

2 Okay. Myelocytic leukemia, you believe, is
3 caused by benzene?

4 A. I believe that is the one. I
5 could be mistaken. There are two types,
6 myelocytic and lymphocytic, and I thought the
7 myelocytic was the one that is accepted as
8 being caused by benzene.

9 Q. And you are unaware of any
10 scientific data, any studies that indicates
11 that tetrachloro or trichlorobenzene causes
12 that effect in man?

13 A. I am not aware of it.

14 Q. How about in animals?

15 A. I'm not aware of that, either.

16 Q. Is there any relationship between
17 the toxicity of benzene, by itself, and
18 trichloro- or tetrachlorobenzene in
19 relationship, on a relationship of toxicity
20 that you are aware of between those
21 compounds?

22 MR. MALIN: I'll object to the
23 form of that question because I don't
24 understand it, but go ahead.

25 MR. COHEN: Let's back up. We'll

1 try again.

2 BY MR. COHEN:

3 Q. Would you agree that
4 polychlorinated dibenzofurans are more toxic
5 than PCB's?

6 A. Yes.

7 Q. Would you believe it's
8 generally -- do you believe that it's
9 generally accepted in the scientific
10 community that they are at the same level of
11 chlorination, that there is an established
12 relationship of the toxicity between the
13 compounds?

14 MR. MALIN: Object to the form of
15 that question because I don't understand it,
16 but if you do understand it, Doctor --

17 A. I don't understand the question.

18 BY MR. COHEN:

19 Q. Well, would you agree that a
20 polychlorinated biphenyl and a
21 polychlorinated dibenzofuran with the same
22 level of chlorination would have a
23 relationship where the dibenzofuran would be
24 perhaps ten times or a hundred times more
25 toxic than the PCB?

1 A. Yes.

2 Q. Do you know if any relationship
3 that exists, similar type relationship that
4 exists between benzene and trichloro- or
5 tetrachlorobenzene?

6 A. No, I do not.

7 Q. How about chlorobenzene, itself?

8 A. Monochlorobenzene?

9 Q. Yes.

10 A. You mean in relationship --

11 Q. In toxicity between
12 monochlorobenzene and benzene.

13 A. I still believe that benzene is
14 the more toxic compound than the chlorinated
15 benzenes, whether it's mono or tetra.

16 Q. So it's your understanding that
17 when you chlorinate the benzene, it reduces
18 its toxicity?

19 A. Well, it certainly reduces the
20 aplastic anemia and leukemia potentiality of
21 the benzene.

22 Q. And do you know the -- what is the
23 effect in the organism of the benzene that
24 makes it more toxic for aplastic anemia and
25 the leukemias as compared to the

1 monochlorinated, dichlorinated
2 trichlorinated, and tetrachlorinated
3 compounds?

4 A. That affects the formation of the
5 red cells, and it has something to do with
6 change in the white cells that causes the
7 leukemia. I do not know the actual
8 physiological mechanism of how that occurs.

9 Q. Were you aware, during the 40
10 years that Monsanto was manufacturing
11 Pyranol, that benzene was more toxic than
12 trichlor- or tetrachlorobenzene?

13 A. Yes, I considered it more toxic.

14 Q. Did you ever make any effort to
15 determine whether the product you were
16 receiving, mixing and subsequently selling
17 contained benzene?

18 A. No, sir, I did not.

19 Q. Do you know today whether it
20 contained benzene?

21 A. I do not know.

22 Q. Do you recall having toxicologic
23 information other than from scientific,
24 published scientific text material regarding
25 the toxicity of tri- and tetrachlorobenzene

1 during the time period that you were
2 manufacturing and selling Pyranol?

3 A. I don't, do not believe we ran any
4 toxicity testing on trichlorobenzene, so I
5 would -- we may have. I don't know. I don't
6 recall, but certainly, I relied on the
7 scientific literature as far as the toxicity
8 of trichlorobenzene was concerned.

9 Q. Do you know if the, if the test
10 work that was done and reported in the
11 scientific literature was a test on a product
12 that contained benzene or whether it was a
13 test on benzene, itself?

14 A. It was a test on trichlorobenzene,
15 and I do not know whether it contained trace
16 amounts of benzene.

17 Q. You are familiar with the name
18 Hooker Chemical Company?

19 A. I cannot hear you.

20 Q. You are familiar with the name
21 Hooker Chemical Company?

22 A. Yes.

23 Q. Does that refresh your
24 recollection that they were a supplier of
25 Monsanto?

1 A. No, sir.

2 Q. Do you know whether Hooker
3 Chemical Company was a supplier of product to
4 Monsanto?

5 A. No, sir, I do not know.

6 Q. Do you know what tin tetraphenyl
7 is?

8 MR. MALIN: Would you say that
9 again?

10 THE WITNESS: Tin tetraphenyl.

11 MR. MALIN: Could you spell that,
12 perhaps?

13 MR. COHEN: Tin, t-i-n, tetra,
14 t-e-t-r-a, phenyl, p-h-e-n-y-l.

15 A. I've heard the name, but I don't
16 recall what its use was. I do not recall
17 anything about the toxicity of it, but I have
18 heard the name before.

19 BY MR. COHEN:

20 Q. So you anticipate my next
21 question; you don't know anything about the
22 toxicity of tin tetraphenyl?

23 A. I don't recall any such
24 information at present.

25 Q. How about epoxide dicyclo-diepoxy

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 carboxylate?

2 MR. MALIN: Could you write that
3 down for the doctor and the rest of us?

4 MR. COHEN: Sure.

5 MR. COX: Is there an acronym to
6 put with that chemical?

7 MR. COHEN: Sure.

8 MR. MALIN: We want the whole
9 thing.

10 While you're doing that, can we
11 make a five-minute break?

12 MR. COHEN: Absolutely, but it
13 won't take five minutes to complete this.

14 (Recess)

15 BY MR. COHEN:

16 Q. You never heard of it?

17 A. I may have heard of it, certainly.

18 Q. You don't remember hearing it?

19 A. No, but --

20 Q. Do you know any toxicity
21 information?

22 A. Not that I recall.

23 Q. When were you first aware that
24 dielectric fluid containing PCB's was
25 escaping into the environment?

1 A. I can't answer that. I mean,
2 obviously, sometime, the potential existed
3 and I knew about the potential, but when I
4 actually knew it was out there, that it was
5 defined as PCB, was the Jensen and Widmark,
6 '67, something of that order.

7 Q. Mid to late Sixties. You say you
8 knew the potential for it was there. Is that
9 because you knew the various uses that the
10 product was being, to which the product was
11 being put?

12 A. Yes.

13 Q. Did Monsanto, to your knowledge,
14 ever make any study at any time to determine
15 what was happening to their product after it
16 was manufactured?

17 MR. MALIN: Object to the form of
18 that question. It's entirely too broad at
19 the same time.

20 A. I don't know what you mean by was
21 it happening. Did it break down, are you
22 talking about?

23 BY MR. COHEN:

24 Q. No, I'm trying to determine if
25 they ever made a study to determine how the

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 product was being used and what was happening
2 to the product.

3 MR. MALIN: Same objection.

4 Answer it if you can answer,
5 Doctor.

6 A. Well, if they sold it to a
7 transformer manufacturer, they knew pretty
8 well it was going to be used in a
9 transformer. If they sold it to a capacitor
10 manufacturer, they knew it was going to be
11 used in a capacitor. Once they sold it to
12 the, to their customer, we did not follow the
13 material into the customer's plant to see
14 what they did with it, or how they disposed
15 of it, or whether there were leaks at the
16 plant.

17 BY MR. COHEN:

18 Q. To your knowledge, was Monsanto
19 aware that the product was being sold for
20 refilling and replacing, replenishing fluid
21 in transformers and other electrical devices?

22 A. Did -- I want to be sure -- repeat
23 that, please.

24 (The requested portion of the
25 record read by the reporter)

1 A. Yes, I knew they were.

2 BY MR. COHEN:

3 Q. Did Monsanto know what was
4 happening to the fluid that was first being
5 used in the transformers?

6 MR. MALIN: Object to the form of
7 that question.

8 If you can answer that, go ahead.

9 A. I don't know whether Monsanto did
10 or did not know. The Medical Department did
11 not know what was happening to it.

12 BY MR. COHEN:

13 Q. Prior to 1966 or '67, when the
14 Jensen and Widmark article came out, to your
15 knowledge, did Monsanto have any program to
16 reclaim PCB fluid from transformers?

17 A. In '66 or '67?

18 Q. Prior to that time.

19 A. They did not that I know of.

20 Q. Subsequent to that time, did they
21 ever have such a program?

22 A. Yes.

23 Q. When did they start it?

24 A. I thought 1970, approximately.

25 Q. Do you have any documents on that?

1 A. Well, they didn't use it to --
2 they incinerated the material. They had it
3 sent back for incineration. They did not
4 recycle it, if that's what you are asking, or
5 reformulate it or -- it just --

6 Q. It was not purified and
7 remanufactured, it was incinerated; is that
8 correct?

9 A. It was incinerated, that's
10 correct.

11 Q. And do you know how much they were
12 incinerating from 1970 onward?

13 A. No, I don't.

14 Q. Do you know when Monsanto first
15 started a program of marketing the product
16 only for use in totally sealed systems?

17 A. I thought that was around 1972.

18 MR. COHEN: Why don't we mark that
19 as Kelly 23.

20 (Kelly Deposition Exhibit 23
21 marked for identification.)

22 MR. COHEN: I regret that I don't
23 have multiple copies of every document that
24 we have. We have multiple copies of some,
25 but not all.

1 BY MR. COHEN:

2 Q. Doctor, if you would, just take a
3 look at Kelly 23 for a moment.

4 MR. COX: Well, since it's not
5 being passed around, may we have an
6 identification of the document when it is
7 marked for the record?

8 MR. MALIN: Its purports to be on
9 the letterhead of Monsanto Chemical Company
10 from Washington, D.C., dated October 23rd,
11 1961: Subject, "Aroclors -- FDA," and
12 purportedly signed by C. J. Eby and directed
13 to H. S. Bergen in St. Louis.

14 BY MR. COHEN:

15 Q. Do you have any recollection of
16 ever having seen that document before?

17 A. I certainly know about X disease,
18 and I don't know about this specific
19 memorandum, but I do know that I recall in
20 the early Sixties about X disease in
21 chickens.

22 Q. You do agree the document is
23 addressed to you or copied to you, rather?

24 A. Copied to me, addressed to Bergen.

25 Q. In the ordinary course, would you

1 have received this document?

2 A. Yes.

3 Q. Tell me what you know about X
4 disease.

5 A. Well, it's a disease characterized
6 by edema, which is a collection of fluid in
7 the pericardial, peritoneal and probably
8 pleural spaces in chickens, and I do not know
9 whether the causative agent was ever
10 identified. They occurred in various
11 outbreaks at various times around the
12 country, and whereas -- and I do not whether
13 PCB's was indicted or not. It was suspected,
14 obviously, at one time, but I do not recall
15 ever having seen a statement saying that that
16 was a causative agent.

17 Q. So would it be fair to say as you
18 sit here today, you cannot tell me what was
19 the end of this investigation?

20 A. That's correct.

21 Q. See the language that "Dr. Horwtiz
22 is hot on the trail"?

23 A. Yes, sir, I see that.

24 Q. What did, what do you suppose Mr.
25 Eby meant by that?

1 MR. MALIN: Objection to the form
2 of that question.

3 If you think you can answer,
4 speculate as to what was in his mind.

5 A. No, I can't speculate what he was
6 thinking. How hot is hot? No, I don't know.
7 BY MR. COHEN:

8 Q. "Hot on the trail," it doesn't
9 mean anything to you in particular?

10 A. Well, it means he's got an idea
11 that this may be connected in some way, but I
12 can't speculate as to what Eby means by that,
13 how intense he's on the trail or if he's just
14 sniffing around.

15 Q. You see the reference here, sir,
16 to Monsanto's analytical analysis, your
17 methods of analysis? Do you see that, second
18 paragraph?

19 A. In the same paragraph, the one he
20 says, "We transmitted a sample of 1242 to
21 Horwtiz. Was unable to find compound X in
22 the sample. This seems to give our Aroclors
23 a clean bill of health." Is that what you
24 wanted?

25 Q. Well, you just chose to read those

1 two sentences. Do you accept --

2 A. I thought you said it was in the
3 first paragraph.

4 Q. No, you chose to read those two
5 sentences. Do you accept the fact that
6 because Dr. Horwtiz was unable to find
7 so-called compound X in your Aroclor, that
8 the PCB's are not involved?

9 A. Well, certainly, according to
10 Horwtiz said it wasn't.

11 Q. You are saying Horwtiz didn't find
12 it.

13 A. That's correct.

14 Q. And would you accept that as being
15 dispositive of the issue?

16 MR. MALIN: Object to the form of
17 that question.

18 If you think you understand the
19 question --

20 MR. COHEN: I didn't ask him about
21 the sentence; he read it. I'm asking him now
22 does he accept that as dispositive of the
23 issue as to whether or not PCB's were
24 involved.

25 A. Accept it as what? You used --

1 BY MR. COHEN:

2 Q. Dispositive of the issue.

3 MR. MALIN: Same objection.

4 A. I don't know what -- "dispositive"
5 is a legal term that I don't know what it
6 means.

7 BY MR. COHEN:

8 Q. Well, does it resolve the question
9 for you as to whether or not compound X is
10 related to PCB's?

11 A. Well --

12 MR. MALIN: Same objection.

13 A. It settled it as far as I was
14 concerned unless new evidence showed up.

15 BY MR. COHEN:

16 Q. It did settle it as far as you
17 were concerned?

18 A. Yes, but it seems like there is an
19 ongoing investigation, so it settled it as
20 far as July was concerned. Now we're back
21 here in October in a different -- it looks
22 like it's a different ball game back in
23 October.

24 Q. Who was the Dr. Horwtiz referred
25 to in this memo?

1 A. Of the Food Division of the Food
2 and Drug Administration. That's Dr. Horwtiz.

3 Q. Yes, but what do you know about
4 him?

5 A. Don't know anything about him.

6 Q. Is he a medical doctor?

7 A. I don't know that.

8 Q. Would you accept the results of
9 this man's work as being -- I don't want to
10 use the word "dispositive" -- as resolving
11 the issue for you?

12 MR. MALIN: Object to the form of
13 that question.

14 A. Well, in July, it seemed like to
15 resolve it for Horwtiz, and he's the one that
16 brought up the problem, and it resolved it
17 for him. I'd accept that fact that, if he
18 was happy about it, I was happy about it.

19 BY MR. COHEN:

20 Q. Do you know what Dr. Horwtiz holds
21 his doctorate in?

22 A. I haven't the slightest idea of
23 anything about Dr. Horwtiz outside of this
24 memorandum.

25 Q. Could he even be a doctor of

1 theology, as far as you know?

2 A. I don't know what he is.

3 Q. I asked you about the sentence on
4 the analysis, methods of analysis. Do you
5 see that? Second paragraph, sir, about
6 halfway through.

7 (Pause)

8 BY MR. COHEN:

9 Q. You see that reference, sir?

10 A. Yes, I do.

11 Q. And there's a reference to
12 "chromatographic"?

13 A. That's correct.

14 Q. Can you tell me what he was
15 talking about?

16 MR. MALIN: I'll object to the
17 form of the question.

18 A. He was talking about some
19 analytical procedure for determining the, the
20 ingredients in Aroclors, yes.

21 BY MR. COHEN:

22 Q. Called chromatographic?

23 A. That's correct.

24 Q. Those are Mr. Eby's words?

25 A. Yes, sir.

1 Q. Or that is Mr. Eby's word, to be
2 precise, "chromatographic," one word.

3 A. Well, that's -- he wrote the
4 memorandum and that's what he used.

5 Q. Do you know what he was talking
6 about?

7 A. Well, I know what I would
8 interpret it as.

9 Q. What?

10 A. Well, a chromatographic is an
11 analytical procedure using color, and I don't
12 know with whether that's gas, liquid
13 chromatography or not. I don't know that.
14 But it's a form of analytical chemistry.

15 Q. What did you take it to mean back
16 in '61? Do you know?

17 A. Just what I said. It was a method
18 of analysis.

19 Q. Had you ever seen it done?

20 A. I may have walked into a
21 laboratory where someone was doing it, but
22 I'll have to repeat again, I am not an
23 analytical chemist.

24 Q. So you don't know exactly what
25 chromatographic technique he was using at

1 that -- I'm sorry, that Monsanto was using at
2 that time and that Mr. Eby was referring to?

3 A. I do not.

4 Q. Does it refresh your recollection
5 that sometime in the early Sixties, Monsanto
6 Chemical Company had chromatographic analysis
7 techniques?

8 A. Well, I do --

9 MR. MALIN: Object to the form of
10 the question.

11 Go ahead answer the question.

12 A. I would have to answer that
13 question by explaining we had a Dr. Munch,
14 M-u-n-c-h who was a Ph.D. in the Analytical
15 Research Department who was one of the early
16 workers with gas chromatography and was one
17 of the authorities in that particular field.

18 BY MR. COHEN:

19 Q. What department was he in?

20 A. Beg pardon?

21 Q. What department was he in?

22 A. The Research Department, in the
23 analytical area.

24 Q. Is that the same Dr. Munch who
25 later worked with Drs. Suskind and Zach doing

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 studies on Monsanto employees?

2 A. No.

3 Q. Another person?

4 A. That man was not a Ph.D. That was
5 not a doctor.

6 Q. Any relationship between the two
7 that you know of?

8 A. Not that I know of. I don't even
9 know if they spelled the name the same.

10 Q. So would it be fair to say, then,
11 that Dr. Munch, who was a pioneer in
12 chromatographic techniques, was an employee
13 of Monsanto Chemical Company at the time he
14 pioneered these techniques?

15 A. That's correct.

16 Q. Would it be fair to say, then,
17 that Monsanto Chemical Company had
18 chromatographic analytical techniques as
19 early as anyone did?

20 A. No, I wouldn't say that, because
21 they -- it depends on what you are analyzing
22 for and where you are analyzing it. We
23 certainly did not have the technique to pick
24 up PCB's in feathers of birds which Jensen
25 and Widmark had at the time, in 1965, or '66.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 We may have had expertise in other fields of
2 analytical chemistry, looking for PCB's, but
3 we didn't have it in the work that Jensen was
4 doing.

5 Q. Is that because Jensen and Widmark
6 had more sophisticated analytical techniques
7 than Monsanto had in the Sixties?

8 A. I knew they had different
9 equipment at Monsanto obtained after visiting
10 Widmark and Jensen. That's all I could
11 comment on the various expertise of both, of
12 either group.

13 Q. Well, if you weren't looking at
14 bird feathers, what were you looking for?
15 What types of milieu or media were you
16 looking for PCB's in in the Sixties?

17 A. I don't know what they were
18 looking for.

19 Q. How about your own product?

20 A. Beg pardon?

21 Q. How about your own product?

22 A. I don't know.

23 Q. Do you know if you were looking in
24 your own product to see what it contained?

25 A. I don't know.

1 Q. Who would know that?

2 A. Well, I'm sure Munch would.

3 Q. What's Munch's first name?

4 A. Ralph.

5 Q. Is he alive?

6 A. I don't know.

7 Q. He's a medical doctor?

8 A. No, he's a Ph.D.

9 Q. In what field?

10 A. I don't know what field he was in.

11 I mean, he was in analytical chemistry, so --

12 but I don't know any more about it than that.

13 Q. Do you know where he obtained his

14 degree?

15 A. No, sir, I do not.

16 Q. Do you know, can you tell me, sir,

17 is he a younger man than yourself?

18 A. Yes, he's younger. Most people

19 are.

20 Q. When is the last time you heard

21 anything about Dr. Munch?

22 A. During one deposition, they were

23 trying to find him, and he was on his own

24 boat outside of the State of Washington

25 someplace and they tried to get him on a

1 ship-to-shore telephone. He had retired from
2 Monsanto about five years after I did, and I
3 do not know if there was any connection with
4 Monsanto after he retired. I haven't seen
5 him for fifteen years.

6 Q. So he was employed by Monsanto up
7 until about 1979?

8 A. I can't be sure of the date. I
9 mean, he was there when I left, and I don't
10 know when I left at the end of '74, so I
11 don't know when he left.

12 Q. Do you know how old a man he was
13 when he retired?

14 A. No, I don't, whether he retired
15 early or whether he retire at 65, I don't
16 know.

17 Q. Do you see the reference that Mr.
18 Eby apparently made some efforts and
19 succeeded in calming down Dr. Horwtiz?

20 A. Yes, sir.

21 Q. Do you know what he meant by that?

22 MR. MALIN: Object to the form of
23 that question.

24 If you think you can understand
25 that question, Doctor, try to answer.

1 A. Well, I do not know what Mr. Eby
2 meant by the term "Calmed Horwtiz down,"
3 except that it would appear to be that he
4 gave him information that was important to
5 Horwtiz and he was going to check back with
6 Eby if he needed any more information.

7 Q. So that's what you took it to
8 mean?

9 A. Yes, sir.

10 Q. Did you ever get involved in the
11 hunt for compound X?

12 A. Did I ever get involved with
13 compound X? No, sir, I did not.

14 Q. E. P. Wheeler, we identified him
15 before, I believe. He was one of your
16 assistants?

17 A. That is correct.

18 Q. Was he a medical doctor, also?

19 A. No. He was a chemical engineer
20 who was in charge of our Industrial Hygiene
21 Department and was administratively in
22 control of our Toxicological Department.

23 Q. And Mr. Wheeler, where is he now?

24 A. Beg pardon?

25 Q. Where is he, Mr. Wheeler?

1 A. I hope, in Heaven.

2 Q. He's deceased?

3 A. Yes.

4 Q. So we're not going to take his
5 deposition.

6 A. It would be hard.

7 Q. Nor are we going to reach him on a
8 ship-to-shore radio.

9 MR. COHEN: Why don't we mark this
10 as Kelly 24. I have a couple of extra
11 copies, folks.

12 (Kelly Deposition Exhibit 24
13 marked for identification.)

14 MR. McLAUGHLIN: Is this 24 or 27?

15 MR. COHEN: Well, we had a list
16 that went 1 through 22. I'm sworn to secrecy
17 as to where we got this.

18 MR. INNELLI: The same source as
19 everything else, Gore Reporting Company.

20 MR. COHEN: Yes.

21 MR. COX: Again, may we have the
22 document described for the record, please?

23 MR. COHEN: Do you want to ask
24 these questions, John? It's my deposition so
25 far. You are welcome to start..

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 MR. MALIN: Well, all right, for
2 Roger's information, it's --

3 MR. COX: For the record purposes,
4 as well.

5 MR. COHEN: I'm letting the
6 witness look at it. I thought that would be
7 a courtesy before I asked him to identify it.

8 MR. COX: What the document is:
9 Not just the number of the document, but what
10 it is.

11 MR. COHEN: I think the witness
12 can look at the document first before he's
13 asked to identify it. I think that we should
14 have the witness identify the document.
15 That's testimony. Having Counsel identify
16 the document is not.

17 (Witness peruses said
18 document.)

19 BY MR. COHEN:

20 Q. Do you recognize the document
21 that's been marked as Kelly 24, sir?

22 A. Yes, I do.

23 Q. Can you tell us what it is?

24 A. It is a memorandum from Albert
25 P. Wheeler to W. R. Richard of Monsanto, with

1 copies to seven different people at Monsanto,
2 including myself, dated December the 9th,
3 1968, and the subject is Aroclor -- minutes
4 of our discussion with Dr. Calandra,
5 C-a-l-a-n-d-r-a.

6 Q. Is there something missing up
7 there on the line below "R. E. Keller" and
8 above "W. T. Johnson"?

9 A. Yes, sir, there's a space there.
10 I don't know what that means.

11 Q. Does it appear to you that after
12 the word "of," another word starts and then
13 it's sort of obliterated?

14 MR. MALIN: Where are we referring
15 to?

16 A. Where are we?

17 BY MR. COHEN:

18 Q. Or is that "Minutes of our"? Is
19 that what it says?

20 A. "Our discussion," o-u-r.

21 Q. Okay. So that's, as far as you
22 know, opposite the word "Subject," Aroclor
23 is -- "Minutes of our discussion with Dr.
24 Calandra" would be the entire subject?

25 A. That's what it looks to be like.

1 Q. Do you have any idea what is
2 obliterated, then, between the name "Keller"
3 and "Johnson"?

4 A. No, sir, I haven't the slightest
5 idea.

6 Q. Do you have any recollection of
7 having seen this document before?

8 A. Yes, I recall it.

9 Q. You do recall it? And Mr. Wheeler
10 is the gentleman we just discussed a few
11 minutes ago and discussed six, seven months
12 ago, likewise?

13 A. Yes, sir.

14 Q. And Mr. Richard?

15 A. He is a man in the Research
16 Department.

17 Q. What position did he hold in the
18 Research Department in the late Sixties? Do
19 you recall?

20 A. I don't know. He's a Ph.D. that
21 was fairly high up in the Research
22 Department.

23 Q. So it's actually Dr. Richard?

24 A. Dr. Richard.

25 Q. First name?

1 A. William, Bill.

2 Q. You see the handwritten material
3 on this memo?

4 A. Yes, sir, I do.

5 Q. Do you have any idea who wrote
6 that material?

7 A. No, sir, I certainly don't.

8 Q. It's not your printing, is it?

9 A. I cannot hear you.

10 Q. It's not your printing, is it?

11 A. No, it certainly isn't.

12 Q. Do you see paragraph numbered 5?

13 A. Yes, sir.

14 Q. "The advisability of determining
15 the character and possibility of isolating
16 the 'major fraction' in each of the Aroclors
17 to be studied is to be explored."

18 A. Yes, sir, I see that.

19 Q. What do you make that language,
20 "major fraction," to mean?

21 A. Well, we stated it before that
22 Aroclors are a mixture of chlorinated
23 materials chlorinated to various degrees, and
24 certainly, I would interpret that as saying
25 if you have Aroclor 1254, a major fraction

1 may be chlorination of 54 percent. I can't
2 give you figures as to what percentage would
3 be 1254 and what percentage would be 1250,
4 1242, or even 1260, but I think for
5 analytical reasons, they try to pick the
6 major fraction to use that as a standard for
7 identification of Aroclors.

8 Q. And that would be the biphenyl
9 chlorinated to the degree that the particular
10 Aroclor was intended to be chlorinated; is
11 that right? Such as in Aroclor 1254, the
12 biphenyl that was chlorinated to 54 percent?

13 A. Well, I don't understand what you
14 mean by "intended," because when they
15 chlorinate the biphenyl, they end up with a
16 certain mixture of varying chlorination
17 groups. I don't know if they intended it or
18 could chlorinate it to precise 1254. They
19 may, as a laboratory compound, a laboratory
20 compound, do it under that type of research
21 facility, but in manufacturing processes, you
22 get the mixture, so they really are looking
23 for an average of 1254.

24 Q. Do I understand that you are
25 saying that in the laboratory, you may be

1 able to control the process to the point that
2 you could get Aroclor 1254?

3 A. That's my understanding.

4 Q. When you manufacture it, you are
5 going to get something around 1254?

6 A. That's correct.

7 Q. And it's going to contain Aroclors
8 that are chlorinated to much higher levels
9 and Aroclors chlorinated to lower levels?

10 A. That's right. I don't know about
11 much higher. It could be higher. I don't
12 know if it's much higher: I don't know if
13 you go up to 1268 or something like that.

14 Q. I'll withdraw the "much":
15 Somewhat higher and somewhat lower?

16 A. That's correct.

17 Q. But average, the product, if it
18 was as said, would come out to 1254?

19 A. That's correct.

20 Q. Or thereabouts.

21 A. Yes, sir.

22 Q. And that's what you take "major
23 fraction" to mean?

24 A. That's what I believe.

25 Q. In 1968, to your knowledge, was

1 Monsanto aware that their Aroclors contained,
2 as you called it, trace compounds of
3 contaminants?

4 A. Yes, I think so.

5 Q. Do you know what they believed
6 those trace compounds of contaminants to be?

7 A. No, I don't know if there were
8 inorganic compounds like iron or something
9 like that. I'm not -- I don't know what they
10 were.

11 Q. How about organic compounds?

12 A. They may, but they did not -- I'll
13 anticipate your question -- they did not know
14 whether it contained dibenzofurans.

15 Q. So when we get to the chlorinated
16 hydrocarbons, you are going to say they did
17 not know that it contained a dibenylfuran,
18 dioxins, quarter phenyls, et cetera?

19 MR. MALIN: Objection. Object to
20 the form of the question.

21 A. They --

22 MR. COHEN: What's the objection?
23 I'm asking about all these compounds.

24 MR. MALIN: Well --

25 MR. COHEN: They were not worried

1 about it at that time.

2 MR. MALIN: Well, you are
3 intimating --

4 MR. COHEN: That what?

5 MR. MALIN: That certain compounds
6 may have been contained therein, so I object
7 to the form of the question.

8 BY MR. COHEN:

9 Q. Well, you would agree with me,
10 Doctor, would you not, that trace compounds,
11 as you called it, of contaminants such as
12 dibenzofurans exist in the manufactured
13 Aroclor?

14 A. They may, yes, sir.

15 Q. How about dibenzodioxins?

16 A. No, I've never seen any report of
17 that.

18 Q. How about quarter phenyls?

19 A. I've never seen any report of
20 those.

21 Q. How about benzenes?

22 A. I object to the form of that.

23 Well, make it clear: Are you talking about
24 the Aroclors compounds --

25 MR. COHEN: I'm talking about your

1 Aroclor product, your Aroclor product.

2 MR. MALIN: Before it's formulated
3 with trichlorobenzene?

4 MR. COHEN: Yes, before it's
5 formulated.

6 MR. MALIN: All right.

7 BY MR. COHEN:

8 Q. Aroclor, let's talk about Aroclor
9 right now. Let's not talk about the Pyranol
10 and the Inerteen that you also sold, but in
11 the Aroclors, do you have any information
12 that it contained benzene, whether
13 chlorinated or otherwise?

14 A. I do not know. I have no
15 information to answer to that.

16 Q. How about naphthalenes?

17 A. I do not have any information
18 about that.

19 Q. Chlorinated naphthalenes?

20 A. I still don't know that, have any
21 information about that.

22 Q. Would it be fair to say the only
23 trace contaminant that you are aware of being
24 present in the product from time to time is
25 dibenzofuran?

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 A. At the present time, yes, but I
2 feel sure I have seen analytical reports of
3 other trace compounds, but I do not recall
4 them.

5 Q. Do you remember when we were
6 talking, here, last time, you talked about
7 some very early studies that had been done by
8 a Dr. Drinker?

9 A. Yes, sir.

10 Q. And you said that you felt that
11 when you looked at his studies regarding the
12 toxicity of dielectric fluids, supposedly PCB
13 fluid that he was testing back in the late
14 Thirties, that you didn't think that that was
15 reflective of Monsanto products; is that
16 right?

17 A. That's correct.

18 Q. And was that because it contained
19 chlorinated naphthalenes?

20 A. No, sir, it turned out it
21 contained chlorinated poly -- there was
22 another benzene ring hooked on. It was
23 chlorinated dibenz -- my analytical expertise
24 is failing me, but it was, he thought it was
25 1260 or 1268. Oh, it was chlorinated

1 diphenyl benzene, I believe. It was not a
2 chlorinated naphthalene, it was a
3 chlorinated, more or less of a terphenyl. It
4 was chlorinated diphenyl benzene.

5 Q. Which was not a product that you
6 were manufacturing?

7 A. Hmm? Yes, we manufactured it, but
8 it was not a PCB.

9 Q. Did you sell it for dielectric
10 fluid?

11 A. No, we did not.

12 Q. What was it used for? Do you
13 recall?

14 A. I don't recall.

15 Q. If I could ask you, sir, to look
16 at the Kelly 24 exhibit again, do you see
17 that paragraph with the asterisk at the
18 bottom, below paragraph 6?

19 A. Yes, sir.

20 Q. It says, "Equally important, it
21 will provide Scott an opportunity to look for
22 the GC peaks reported in the literature."

23 A. Yes, sir.

24 Q. I believe that asterisk refers to
25 the last sentence in Paragraph number 1.

1 A. Yes, sir.

2 Q. Who is Scott?

3 A. My guess, my assumption is it's
4 Scott Tucker, who is a Monsanto analytical
5 chemist that was working on this problem.

6 Q. Which problem?

7 A. The problem of analyzing chickens
8 and toxicological specimens for the presence
9 of PCB's.

10 Q. What were they referring to when
11 they say, "GC peaks reported in the
12 literature"?

13 A. Gas chromatography, I presume.

14 Q. Would this refresh your
15 recollection that as of the late Sixties, the
16 literature was reporting gas chromatographic
17 tracings for the Aroclors?

18 A. Would what? Would you repeat that
19 question?

20 (The requested portion of the
21 record read by the reporter)

22 A. Well, I'm sure they were, but what
23 I said earlier was, we did not have the
24 additional refinements that Widmark and
25 Jensen had when they found it in eagle

1 feathers and other avian species. Now, this
2 is December '68. I'm sure we had that, that
3 refinement in the added equipment that was
4 obtained.

5 Q. What was that added equipment? Is
6 that mass spectrometry?

7 A. I don't know whether it was
8 associated with other analytical things like
9 electron captures, things of that sort that I
10 don't know anything about, but all I know is,
11 they didn't have it and they got it.

12 Q. You don't see any mention in this
13 memo of electron capture or mass
14 spectrometry, do you?

15 A. No, I don't.

16 Q. Do you know what the detection
17 limits were that Monsanto had been working
18 with in their chromatographic analytical
19 technique prior to the time that they met
20 with Jensen and Widmark?

21 A. No, sir, I do not.

22 Q. Was it in the parts per million
23 range, or parts per billion, or parts per
24 trillion? Do you know?

25 A. I do not know.

1 Q. How about Jensen and Widmark: Do
2 you know what detection limit range they were
3 working?

4 A. No, sir, at the present time, I do
5 not know that.

6 Q. Do you believe, sir, that using
7 epidemiological techniques or otherwise, that
8 you can establish to an acceptable level of
9 certainty whether an exposure to any chemical
10 can cause any form of medical injury?

11 MR. MALIN: Object to the form of
12 that question. It has too many vagaries.

13 If you think you can answer that,
14 Doctor.

15 THE WITNESS: Well, I'll have to
16 have it repeated.

17 (The requested portion of the
18 record read by the reporter)

19 A. What do you mean by "or
20 otherwise"?

21 BY MR. COHEN:

22 Q. Other than epidemiological
23 studies.

24 A. Yes, that's what you said, "or
25 otherwise."

1 Q. Animal studies, anything you would
2 care to use, any scientific testing
3 technique.

4 MR. MALIN: Same objection.

5 A. Well, first of all, it's very hard
6 to prove a negative, but I think you could
7 get a certain area of confidence through
8 epidemiological studies, if they are
9 correctly carried out, and follow the four
10 accepted tenets of epidemiology. I think
11 animal testing is not as important because
12 they are usually given enormous amounts
13 vis-a-vis what the individual is exposed to,
14 but the answer, I would say, with those
15 qualifications, is yes.

16 BY MR. COHEN:

17 Q. Well, do I understand your
18 criticism of animal studies is the high
19 dosage of the agent that's being studied?

20 A. Well, that's one of them. The
21 other is, animals do not metabolize things
22 the same as humans in all respects.

23 Q. Have you, yourself, ever engaged
24 in animal research?

25 A. You mean have I done toxicological

1 handling of the animals that administered the
2 material to them? I have not. I've read
3 lots and lots of toxicological reports.

4 Q. I'm sorry, you've read lots of
5 toxicological reports?

6 A. Yes, but I'm not a toxicologist; I
7 did not do the actual animal experimentation.

8 Q. Well, without regard to whether
9 you actually were in the lab, feeding the
10 animals the agent, and studying them either
11 during their lives or postmortem, my question
12 is, have you ever been involved in animal
13 research at any level, whether you supervised
14 it, ordered it, directed it, controlled it,
15 established the protocol, any element of the
16 activity?

17 A. Well, you had four verbs in there.
18 I certainly ordered them.

19 Q. Okay.

20 A. I certainly read their reports. I
21 certainly was at the beginning of the
22 experiment where the protocol was decided
23 upon, so I don't know what other questions.
24 I did not engage in the actual dosing of the
25 animals.

1 Q. At any time during your
2 educational process or subsequent thereto,
3 were you involved, other than as you've just
4 described, in doing animal research?

5 MR. MALIN: Object to the form of
6 that question. I don't know what you mean by
7 educational process or otherwise, but if you
8 understand it, Doctor, go ahead.

9 BY MR. COHEN:

10 Q. Well, start with medical school.

11 A. Yes, sir.

12 Q. Did you do any animal testing in
13 medical school for research projects?

14 A. Just for educational and
15 pharmacology, you dosed animals, but that was
16 not for research; that was just for
17 educational purposes.

18 Q. Well, that's what I was asking
19 about. So during your educational process,
20 you actually did do animal-type research as
21 part of your educational process, itself?

22 A. Well, what you say, it isn't what
23 you say as research. I don't agree that the
24 animal work we did in medical school was
25 research. That was educational. We knew

1 what was going to happen, and it gave us a
2 better idea of what was going to happen. We
3 weren't trying to find out something unknown,
4 which is my impression or definition of
5 research.

6 Q. So you were doing the testing at
7 that time, I guess, to learn the technique?
8 Would that be fair to say?

9 A. To learn the action of the drugs,
10 yes. The technique, I don't think, was --
11 you don't need much technique to inject an
12 animal.

13 Q. All right, let's talk about the
14 studies, then, that you had, as you said,
15 ordered. I believe you said that you were
16 involved in ordering them and you were also
17 involved in establishing protocols. Is that
18 correct?

19 A. That is correct.

20 Q. What was your purpose in
21 undertaking the animal studies?

22 A. To find out what the toxicity of a
23 particular product was, either the acute
24 toxicity or the chronic toxicity.

25 Q. Now, what was -- what organism

1 were you interested in learning the toxicity
2 of?

3 A. Well, obviously, we would be
4 interested in knowing -- the organism would
5 be man, but man is not an accepted laboratory
6 test animal, so we used the common ones of
7 rodent species and canine species.

8 Q. You also used other animals, too,
9 did you not?

10 A. In special cases, we used fowl,
11 and later on, monkeys may have been used, but
12 they were not used anytime when I was there.

13 Q. Did you feel that the information
14 that you would gain from the animal tests
15 would be relevant to determining the toxicity
16 in man?

17 A. It could and could not, yes, but
18 it's the only thing we've got. It's the only
19 game in town. It might give you the target
20 organ, and it would give you a, an
21 appreciation of the toxicity of this compound
22 versus other compounds that were tested in
23 the same species.

24 Q. Well, when you were, as Medical
25 Director of Monsanto Chemical Company, asked

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 questions about the toxicity of certain
2 compounds, you gave opinions, didn't you?

3 A. Yes.

4 Q. Those opinions were based in large
5 measure on animal studies, were they not?

6 A. Yes, sir.

7 Q. Animal studies that were negative,
8 for example, that induced no toxicity, you
9 reported to inquirers that the substance was
10 negative, it was not toxic.

11 A. In the doses given, yes, sir.

12 Q. So you felt that the animal
13 studies were probative, certainly, of
14 negative levels of toxicity?

15 A. Well, usually, in an animal study,
16 you strive to set the protocol so that you
17 will get positive findings, and a level that
18 you will get negative findings, and a level
19 in between that you hope would be the highest
20 negative level obtainable, so when we would
21 report to our inquiries, in answer to
22 questions, we would say this has an LD-50 of
23 "X" grams, which we consider to be a mild
24 toxicity as far as an industrial chemical is
25 concerned.

1 Now, if you are talking about
2 other information gained from animals, you
3 could say that this material shows it can be
4 absorbed through the skin, this material
5 shows that -- I mean, these data show that
6 small amounts can be given over a lifetime of
7 animals with no demonstrable ill effect.

8 Q. So you would agree that the animal
9 tests, for example, are probative of routes
10 of absorption?

11 A. Yes, sir.

12 Q. Would you believe that the
13 information you gained regarding routes of
14 absorption in animals would be translatable
15 into man?

16 A. Yes, sir.

17 Q. But as I understand it, you do not
18 feel that the results of the toxicity in
19 animals would be translatable to man?

20 A. Well, it could and could not.

21 Q. What would be the factors that
22 would make it translatable to man?

23 A. If one were to find out that the
24 material was metabolized by the test species
25 in the same way as it occurs -- as it does in

1 man, that would be probative, as you stated.
2 Animal species vary. The guinea pig may or
3 may not be ten times as sensitive or
4 one-tenth as sensitive as a rat.

5 (Pause for telephone call.)

6 MR. COHEN: Hold it there, please,
7 Doctor.

8 (Pause)

9 (Discussion off the record)

10 THE WITNESS: Where were we?

11 MR. COHEN: Why don't you read the
12 answer back for him, Mr. Jordan.

13 THE WITNESS: Start with the
14 question.

15 MR. COHEN: Good.

16 (The requested portion of the
17 record read by the reporter)

18 A. (Continuing) And the human may be
19 entirely different to either of them.

20 BY MR. COHEN:

21 Q. How would you find out if the
22 material were metabolized by the test species
23 in the same way as it is in man?

24 A. I think you look for the breakdown
25 products in the blood and the urine.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Q. Did you, in your animal testing,
2 make any efforts to look for the breakdown
3 products, like the metabolites in the blood
4 and the urine?

5 A. We may have in some of them. I do
6 not believe we did for the PCB experiments.

7 Q. You did not?

8 A. Not that I know of. I don't know.

9 Q. Any particular reason why you did
10 not look for the breakdown products in the
11 blood and the urine for PCB's?

12 A. It may be that we did not have, in
13 1969-70, as refined methods to get down to a
14 detection level that would be needed. That
15 may be it, and I think we also found the
16 target organ in the animals was the same as
17 the target organ in the human, as judged by
18 the rare cases of acute exposure to hydraulic
19 fluids containing, or to heat transfer
20 compounds containing PCB's.

21 Q. And that target organ is the
22 liver?

23 A. I can't hear you.

24 Q. That target organ is the liver?

25 A. Yes, sir.

1 Q. So you determined that, in your
2 animal tests, the target organ in the animals
3 was the same as in humans, the liver.

4 A. That's correct.

5 Q. That's for PCB's.

6 A. Yes, sir.

7 Q. So did you, thereby, feel that it
8 was no longer necessary to look for the
9 metabolites in the animals to determine that
10 they were the same as in humans?

11 A. I, as I said before, I do not know
12 if we did, if they did look during the course
13 of the experiments for the metabolites. I do
14 not know, but I think if you found, had the
15 same target organ in both, you would be on
16 very, relatively safe ground to think that
17 they were metabolized the same.

18 Q. Well, then, would you agree that
19 the results of the animal studies, the animal
20 studies of PCB's, would have relevance to
21 determining or predicting disease in man?

22 A. Yes, sir, but again, one has to
23 look at the exposure level and the route of
24 exposure.

25 Q. Now, sir, you said it may be that

1 we did not have the refined techniques back
2 in the late Sixties. Are you saying that you
3 did not have the refined techniques back in
4 the Sixties to look for the metabolites in
5 the animals?

6 A. We may not have. I do not know if
7 we had analytical techniques to look for the
8 metabolic end chemicals in the blood. I
9 don't know if we had that.

10 Q. Do I understand that as you sit
11 here, today, in 1991, you lack the
12 recollection to tell us what you had
13 available to you in the late Sixties?

14 A. That's correct.

15 Q. Would it be fair to say that as of
16 the time you retired as Medical Director at
17 the end of 1974, that you had the analytical
18 techniques to determine the metabolites in
19 the animals' blood and urine?

20 A. I can't answer that because I
21 don't know what the metabolites were. I
22 mean, I don't know that. I didn't know it
23 then and I don't know it now, so I do not
24 know whether we had such refinement. We
25 certainly had a more refined analytical

1 procedure in '74 than we had in '68 or '70,
2 but I can't answer that any more than --

3 Q. Let me ask you this: If you had
4 the technique available to you, would it be
5 fair to say that you would not have done
6 anything to limit the protocol or the scope
7 of the examination so that the metabolites
8 would not be studied?

9 MR. MALIN: Object to the form of
10 that question. I really don't understand it.

11 If you understand it, answer it.

12 MR. COHEN: If you are confused,
13 Doctor, I'll restate it.

14 THE WITNESS: Restate it, please.

15 MR. COHEN: Certainly.

16 BY MR. COHEN:

17 Q. You never made any effort to
18 restrict the examination of the blood and
19 urine products of the animals to determine
20 the existence of the metabolites in those
21 animals, did you?

22 A. No, the answer -- you said I never
23 made any effort to restrict the
24 examination --

25 Q. Right.

1 A. No, I did not.

2 Q. You didn't do anything to prevent
3 them from finding out if it was metabolized
4 the same way as in humans?

5 A. No.

6 Q. Do you know of anybody in Monsanto
7 who ever said, "Don't do that"?

8 A. No, sir, they did not.

9 Q. Do you know of any responsible
10 scientist who ever took such action?

11 A. Such action saying, "Don't do it"?

12 Q. Yes, trying to prevent the
13 discovery of the metabolites.

14 A. No, sir.

15 MR. MALIN: Object to the form of
16 the question as too broad and vague, but --

17 A. I know of no scientific person, I
18 know of no person who tried to restrict the
19 testing we carried out.

20 BY MR. COHEN:

21 Q. From 1975 to the present, have you
22 seen evidence from animal studies that
23 indicate the study of metabolites of PCB's in
24 animals?

25 A. I think I have, but -- I have some

1 recollection, but I don't know where I saw
2 them.

3 Q. Would you agree that the results
4 of those tests indicate that the material is
5 metabolized the same way in laboratory
6 animals as in humans?

7 A. No, sir, I can't answer that. I
8 don't know whether that's true or not.

9 Q. You don't know?

10 A. No.

11 Q. Do you know if it's metabolized in
12 any laboratory animal the same as in humans?

13 A. No, I don't know that.

14 Q. Are you familiar with the work
15 that has been done on primates?

16 A. Vaguely. I don't know the exact
17 details, yes, sir.

18 Q. Do you know whether they
19 determined, when they did their study on
20 primates, whether the PCB's were metabolized
21 in primates the same as in humans?

22 A. Who is the "they"?

23 Q. The scientists who did those
24 studies.

25 A. I do not know whether they went

1 into metabolites or not.

2 Q. Have you ever seen any of the work
3 done by Drs. Allen and Bersotti?

4 A. I may have. The name sort of
5 rings a bell, but I don't recall the details
6 of their study.

7 Q. Now, you said that you feel that
8 animal studies are relevant to predict,
9 animal studies of, involving PCB's are
10 relevant to predicting disease in man; is
11 that right?

12 MR. MALIN: I object to the form
13 of the question. You talked about
14 toxicology.

15 MR. COHEN: If you want to, we'll
16 go back and read his last answer.

17 MR. MALIN: He talked about
18 toxicological effect. He didn't say disease.

19 BY MR. COHEN:

20 Q. All right, let's talk about the
21 toxicologic effect, Doctor. Do you believe
22 that the animal studies are relevant to
23 showing the toxicological effect of PCB's in
24 man?

25 A. Yes, they are relevant if one

1 considers the dose and the exposure.

2 Q. Okay. Now, when you say they're
3 relevant if you consider dose and exposure,
4 step by step, tell me what you mean.

5 A. What I mean is, if you give an
6 animal a PCB in 10,000 parts per million, you
7 will get an effect, obviously. Now, that
8 effect could be relative to man with the
9 exception that you don't expect the man to
10 get 10,000 parts of PCB in his diet for a
11 lifetime. That's the -- that's as far as the
12 dose is concerned.

13 As far as the exposure is
14 concerned, if you have an individual or
15 animals that have certain ill effects from
16 skin contact, that is relevant to the action
17 of the material as being absorbed through the
18 skin, but it's not relative to the use that
19 the material is put to. In other words, if
20 you -- if a man has exposure to PCB over a
21 good part of his body every day for the --
22 for during his working career, that is -- the
23 animal experimentation would be relevant to
24 that, but you do not expect that kind of an
25 exposure.

1 Q. All right. Now, while you don't
2 expect that kind of exposure, you would agree
3 that if a human was exposed to PCB's so that
4 it was on his body, as you described, during
5 substantial portions of his workday over a
6 long period of time, you could expect the
7 same type of absorption as you found in the
8 animal?

9 A. Yes, sir, I think so. That's why
10 we were against repeated or prolonged skin
11 contact.

12 Q. And that's because you are
13 concerned that it will be absorbed into the
14 human?

15 A. We don't want it absorbed into the
16 human, yes.

17 Q. Now, if it is absorbed into the
18 human, what toxicologic effects would you
19 expect?

20 A. It depends on the dose. If -- you
21 may have no toxicological effects at all.

22 Q. At extremely low dose levels?

23 A. Yes, sir. I don't know what you
24 mean by extremely low. I mean, if you are
25 talking about a teaspoonful on the skin,

1 but -- or the average work exposure the
2 person has had in the electrical industry,
3 that's one thing. I mean, as, from your
4 epidemiological standpoint, there have not
5 been any illnesses in industrial workers who
6 were manufacturing or using PCB's with the
7 exception of chloracne.

8 Q. What do you know about the
9 exposures in those epidemiological studies?

10 A. Well, I've been in a couple of
11 plants where they had it, but I don't know
12 if -- I'm taking the word of the government
13 epidemiologists, I'm taking the word of
14 Kimbrough, who is the leading government
15 expert on PCB's, in which he stated that
16 there have been no, with the exception of
17 chloracne and acute episodes, have been no
18 demonstrable clinical effect in workers.

19 Q. So you are sitting here, today,
20 you are relying upon Dr. Kimbrough.

21 A. Among other people, yes, sir.

22 Q. I'm asking you what you know about
23 the exposures that these people had in the
24 epidemiological studies.

25 MR. MALIN: Object to the form of

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 that question.

2 Do you want to identify the
3 studies you are talking about?

4 MR. COHEN: Any studies. He just
5 talked about studies in electrical workers.

6 BY MR. COHEN:

7 Q. What do you know about the
8 exposure, whether from the report or from
9 your own information from those studies that
10 you referred to?

11 A. I don't know the details of the
12 exposure levels, but there have been studies
13 on PCB levels in industrial workers, and
14 those were the ones that Kimbrough relied on
15 in her statement. There were certain levels
16 of PCB's found in her blood. I've been in a
17 transformer factory where I've seen the
18 exposure that they get, and I assume that the
19 other transformer manufacturers were pretty
20 much like the one I was in.

21 Q. Do you know if the exposures in
22 those epidemiological studies involved new
23 dielectric fluid?

24 A. I don't know that.

25 Q. Do you know if it involved used

1 dielectric fluid?

2 A. I would assume it did involve
3 both, because there were topping off
4 transformers, there were repair of
5 transformers, where the people were, were
6 getting exposure.

7 Q. It's an assumption on your part?

8 A. Yes, sir.

9 Q. You don't recall what the report
10 said?

11 A. Well, the report said there have
12 been no cases of clinical --

13 Q. I don't mean to interrupt you,
14 sir. I'm trying to focus on this one issue
15 of what you know about the exposure from
16 these epidemiological studies. Do you know
17 whether it involved new dielectric fluid, or
18 used fluid, or some combination of both? Do
19 you know?

20 A. No, I do not know.

21 Q. Do you know whether the fluid was
22 Aroclor?

23 A. I don't know if it was Aroclor,
24 except that these people who reported the
25 epidemiological studies said that industrial

1 workers exposed to PCB's, and Monsanto was --
2 Aroclor were the only PCB's manufactured for
3 the industrial transformer use, capacitor
4 use, and conceivably, they may have used
5 foreign material, but I don't know.

6 Q. Could have used Cantaclor?

7 A. Beg pardon?

8 Q. Could have used Cantaclor?

9 A. Could have, but I've seen no
10 reports to the United States that they used
11 French PCB's or Japanese PCB's.

12 Q. The Japanese PCB's, some of them
13 have five times as many dibenzofurans in new
14 product as Aroclor; you agree with that?

15 A. Yes.

16 Q. How about the French?

17 A. I don't know about five times, but
18 they've had the one test I've seen that they
19 had more than was found in the Monsanto
20 product.

21 Q. Do you know if the dielectric
22 fluid that was involved in any of these tests
23 also contained tetrachlorobenzenes?

24 A. I do not know that.

25 Q. Free benzenes?

1 A. I do not know that.

2 Q. Chlorinated naphthalenes?

3 A. I do not know that.

4 Q. What about animal studies from
5 repeated exposure through skin; do they show
6 any toxicologic effects?

7 A. Yes, sir.

8 Q. What toxicologic effects do they
9 show?

10 A. Chemical hepatitis, changes in the
11 liver.

12 Q. Anything else?

13 A. Not that I recall.

14 Q. Any neoplasms?

15 A. No, sir.

16 Q. No neoplastic disease reported?

17 A. Not that I recall. Usually, skin,
18 skin applications do not run over prolonged
19 periods of time.

20 Q. So they -- the testing is too
21 short to develop a level of absorption in
22 those tests to develop other types of changes
23 such as neoplasms?

24 A. I would think that's correct.

25 Q. Would you feel, from what you know

1 about oral ingestion animal studies, that if
2 dermal absorption tests were continued over a
3 longer period of time, chronic high-level
4 exposures, that neoplasms could develop in
5 animals?

6 A. I do not think that, to use your
7 term, "chronic high-level exposure" would
8 allow the animal to live long enough to
9 develop any neoplasms.

10 Q. And is that because of the life
11 expectancy of the animal, or because it would
12 die from other toxic reactions to the
13 substance?

14 A. He would, he would have problems
15 with other toxic reactions, if you say
16 chronic high-level exposure. You'd have to
17 really quantify what you mean by "high-level"
18 for me to answer that with hundred percent
19 accuracy.

20 Q. All right. So without a hundred
21 percent accuracy, you would expect the animal
22 to die from other toxic reactions before it
23 developed neoplasms?

24 A. If you gave it, if you had a high
25 enough level, yes.

1 Q. You do agree that animal studies
2 have shown neoplastic disease when the
3 animals have had high-level oral ingestion of
4 PCB's?

5 A. There have been positive studies
6 and negative studies.

7 Q. You do agree there are positive
8 studies?

9 A. Yes, sir.

10 Q. How about changes to the bone
11 marrow, the blood-forming cells?

12 A. I do not recall of any such
13 action.

14 Q. How about other forms of liver
15 disease?

16 A. There has been hypertrophy of the
17 liver; there's been enlargement of the liver
18 in chronic feeding of animals.

19 Q. How about derangement of enzyme
20 production?

21 A. Yes, sir.

22 Q. Are you aware whether derangement
23 of enzyme production has any other adverse
24 physiologic effects?

25 MR. MALIN: Are you talking about

1 animals, here?

2 MR. COHEN: In animals.

3 THE WITNESS: Say that over again.
4 Repeat the question.

5 (The requested portion of the
6 record read by the reporter)

7 A. Any other than what?

8 BY MR. COHEN:

9 Q. Other than the derangement of the
10 enzyme production, itself.

11 A. Well, usually, the, the enzymes
12 are affected by any toxic compound. If a
13 person takes three ounces of alcohol at
14 night, he will get changes in his enzyme
15 production. Now, whether --

16 Q. Does anyone care?

17 A. Yes, let me finish.

18 So whether the next day or a week
19 later his enzymes are normal, there is no
20 clinical effect.

21 Q. But if he takes three ounces of
22 alcohol daily, there will be other
23 physiologic effects?

24 A. No, I think the dose is probably
25 too low, because millions of people take

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 three ounces of alcohol a day with no ill
2 effect clinically.

3 Q. But there certainly is a level of
4 the alcohol ingestion that you've used, as an
5 example, that would ultimately result in
6 other physiologic effects from the enzyme
7 derangement?

8 A. Yes, sir.

9 Q. Similarly, with PCB's?

10 A. Well, I don't believe that's ever
11 been occurring. It certainly hasn't been --
12 there have been no reports of other
13 physiological effects.

14 Q. Are you aware of tests to
15 determine other physiologic effects?

16 A. Well, there are liver function
17 tests, yes.

18 Q. I'm asking you whether you are
19 aware of the test that set out to determine
20 whether there was an effect from long-term
21 enzyme derangement as a result of PCB
22 exposure and the consequent physiologic
23 effects from that.

24 MR. COHEN: Try it again. Try it
25 again.

1 (The requested portion of the
2 record read by the reporter)

3 A. Well, I don't know of any test
4 they could test for a particular enzyme
5 derangement. The liver has enormous amount
6 of functions in the metabolism of the body
7 that you test for liver function by checking
8 levels of various enzymes. Now, I don't know
9 how you test for the end result of enzyme
10 derangement with the exception of checking on
11 the levels of these enzymes in the blood.

12 BY MR. COHEN:

13 Q. I don't think you understood my
14 question. I was trying to determine whether
15 you were aware of either an epidemiological
16 study or an animal toxicity study that was
17 done or formulated with the goal of
18 determining the effect of long-term liver
19 enzyme derangement from PCB exposure.

20 A. I think that the epidemiological
21 studies that have been done have been
22 morbidity studies and mortality studies. I
23 do not think, I do not recall any that went
24 into the mechanism of the morbidity or the
25 mechanism of the mortality.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Q. Is the answer to the question
2 asked whether you are aware of an animal
3 toxicity study or an epidemiological study
4 wherein they were seeking to determine the
5 other physiologic effects of long-term liver
6 enzyme derangement as a result of PCB
7 exposure, that you know of no such tests?

8 A. Well, you are looking at
9 epidemiological studies to see if the people
10 develop clinical problems. The clinical
11 problems are the end result of enzymatic
12 derangement. You are looking at animals for
13 microscopic analysis of tissue of the liver,
14 which is also a result of enzymatic
15 derangement in animals, so I don't know how
16 you -- I don't know how to answer your
17 question. You are not looking specifically
18 what a deranged enzyme does to you unless you
19 are looking for the pathological process that
20 follows it, so I think that any
21 epidemiological study or any animal study has
22 the end result of giving you what occurs
23 during this, as a result of the enzymatic
24 derangement. I don't know if that's
25 answering your question, but I find it hard

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 to --

2 MR. COHEN: I don't think it is,
3 but let me have the last sentence back.

4 (The requested portion of the
5 record read by the reporter)

6 BY MR. COHEN:

7 Q. You have dealt with the
8 establishment of protocols or animal toxicity
9 studies.

10 A. Yes, sir.

11 Q. Do you recall ever setting up a
12 protocol wherein you set as the goal of the
13 study to determine the long-term physiologic
14 effects that flow from chronic derangement of
15 liver enzymes as a result of exposure to
16 PCB's?

17 THE WITNESS: That's a long
18 question which I will have to ask you to
19 repeat.

20 (The requested portion of the
21 record read by the reporter)

22 A. Well, what we -- when we set up a
23 protocol for animal studies, we set it up to
24 find out what deleterious action of PCB's in
25 the dose applied occurs in the animal. The

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 mechanisms from that really do not enter into
2 setting up of a protocol. What you want to
3 do is to find out do the animals get harm,
4 and you are not particularly concerned about
5 why they get the harm done; physiological
6 mechanism.

7 Q. Do you know of anybody who ever
8 set up an animal study with such a specified
9 protocol as I have just identified?

10 A. I don't know. The answer is no, I
11 don't recall.

12 Q. Do you know if anybody has ever
13 set up an epidemiological study with such a
14 specified protocol?

15 A. Now, when you say "specified
16 protocol," you mean you are setting up a
17 study on a group of workers that you assume
18 have altered liver enzymes and that's the
19 purpose of this, or are you setting up an
20 epidemiological study to see if the workers
21 get sick from working with the compound?

22 Q. Well, Doctor, you would agree that
23 an epidemiological study would not be set up
24 to expose, intentionally expose people to a
25 compound to see if they got sick.

1 A. Well, you don't do that at an
2 epidemiological stage. You work with papers;
3 you don't work with a person.

4 Q. You don't work with a person at
5 all?

6 A. No.

7 Q. You study what a group has had as
8 a history as a result of certain factors in
9 their lives. Is that correct?

10 A. That's correct.

11 Q. So it would be impossible to
12 structure an epidemiological study where you
13 established a protocol to look for a certain
14 specific ailment.

15 A. Look for --

16 MR. MALIN: Object to the form of
17 the question. That's a different question.

18 A. Yes, you are saying --

19 MR. MALIN: It employs the same
20 question.

21 A. (Continuing) You say -- the last
22 few words were, "Look for a specific
23 illness." Wasn't that what you said?

24 MR. MALIN: "Ailment," he said.

25 MR. COHEN: Ailment.

1 A. What?

2 BY MR. COHEN:

3 Q. Ailment.

4 A. Ailment.

5 Q. Yes.

6 A. Well, you don't look for
7 specifics, you look for general health of the
8 individual, or deaths, so I do not know of
9 any epidemiological study where they may have
10 set up a study to find out if people had
11 chloracne. I think that's part of the
12 epidemiological study. I think that in the
13 epidemiological study, they may ask if the
14 person has liver problems. That would be
15 part of the epidemiological study, but when
16 they started out the epidemiological study, I
17 don't think they said, "We're going to start
18 doing this study just to find out if the
19 fella has had chloracne or not."

20 Q. You don't know of an
21 epidemiological study where they would be
22 able, through the vehicle of the
23 epidemiological study, to determine the
24 long-term physiologic effects of derangement
25 of liver enzymes associated with exposure to

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 PCB's?

2 A. Well, the derangement of liver
3 enzymes are part and parcel of what happens
4 to the person, and if you have a negative
5 epidemiological study, regardless of the --
6 any documented or undocumented changes in the
7 liver enzymes, you've got a pretty relevant
8 study.

9 Q. In other words, when you say you
10 have a negative study, you are saying that
11 there is not an excess of any particular
12 ailment to a statistically significant
13 degree?

14 A. If, by "ailment," you mean
15 altered, a pathological process, a
16 pathological process in the worker, an
17 illness in the worker, the clinical condition
18 in the worker --

19 Q. Are you asking me?

20 A. I'm asking what you said, yes.

21 Q. Let me rephrase the question,
22 then.

23 MR. MALIN: Can we make it clear
24 whether or not you are using ailments, using
25 the word "ailment" and "changes in liver

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 enzymes" as the same thing?

2 MR. COHEN: No, I'm not.

3 MR. MALIN: No, they're not the
4 same.

5 (The requested portion of the
6 record read by the reporter)

7 MR. MALIN: That's what was
8 confusing me.

9 MR. COHEN: Is it confusing you,
10 sir?

11 A. Yes, sir. I don't know what you
12 mean by "ailment."

13 (The requested portion of the
14 record read by the reporter)

15 BY MR. COHEN:

16 Q. Are you aware of epidemiological
17 studies that have shown to a statistically
18 significant degree derangement of liver
19 enzymes as a result of exposure to PCB's?

20 A. Yes, sir.

21 Q. Now, are you aware of any
22 epidemiological study wherein there was a
23 derangement of liver enzymes to a
24 statistically significant degree in this
25 population associated with exposure to PCB's

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 where they also found any particular ailment
2 or disease to a statistically significant
3 degree?

4 A. No, sir, all the --

5 Q. Please, just answer --

6 A. No.

7 Q. No. Now, do you take it from
8 that, that that study would be negative to
9 say that long-term derangement of liver
10 enzymes associated with exposure to PCB's
11 does not cause any particular ailment in a
12 human being?

13 A. Well, that, you were giving me a
14 hypothetical study in which you have not
15 quantified how serious the derangement of the
16 liver enzymes were, you have not stated
17 whether or not this study was reproducible in
18 other studies, so I think that invalidates
19 one of the four tenets epidemiology, that you
20 have to have a study reproducible.

21 Certainly, people have found, done
22 studies of PCB workers and they found rectal
23 cancer in women in one group; four years
24 later, they found no excessive rectal
25 cancers. They've had prostate cancers of one

1 group, none in another group, so they have
2 not been reproducible.

3 Q. So even though that these studies,
4 one study may show an excess of a certain
5 disease or ailment over the expected amount,
6 if it doesn't happen in another study, you
7 feel that that that first study is not valid?

8 MR. MALIN: Objection. That's not
9 what he said he said. That's one of the
10 tenets of epidemiology, not that he feels
11 that way.

12 BYMR. COHEN:

13 Q. Well, I'm asking you; do you feel
14 it's not valid?

15 A. I can't hear that.

16 Q. Do you feel it's not valid?

17 A. Yes, if it's not reproducible,
18 it's not valid, yes, sir.

19 Q. Reproducible, does that mean that
20 you can take a different population and
21 duplicate the results?

22 A. Yes, sir, or a different worker on
23 the same population.

24 Q. A different worker, you mean a
25 different scientist?

1 A. A different -- yes.

2 Q. So the same population, the same
3 papers that you were talking about before,
4 some other scientist should be able to come
5 along and come up with the same result?

6 A. On the same papers, or a
7 different, different group?

8 Q. No, same group. Isn't that what
9 reproducible means?

10 A. No, reproducible means, in my
11 definition, that your results are
12 reproducible or agree with a different study
13 on different groups to come up with the same
14 illnesses.

15 Q. But now you would be talking about
16 different people?

17 A. Yes.

18 Q. Different exposures, different
19 doses?

20 A. Conceivably, if they are all in
21 the --

22 Q. All of that's going to affect the
23 validity of the reproducibility of the two
24 studies?

25 A. Yes. That's why epidemiology is

1 not a hundred percent accurate.

2 Q. Animal research can generally be
3 duplicated, can it not?

4 MR. COX: I object to the form of
5 the question.

6 BY MR. COHEN:

7 Q. Let's not use "duplicated."

8 Animal research can generally be
9 reproduced?

10 MR. MALIN: I'll object to the
11 form of that question, too.

12 A. Generally.

13 MR. COHEN: Everybody?

14 MR. COX: Do we have a
15 stipulation? Thank you.

16 A. I can give you two -- in some
17 instances, it is reproducible, but I could
18 give you two animal studies on 1260, Aroclor
19 1260. One, Kimbrough, found a large amount
20 of what she called liver cancers. Another
21 study did not show it.

22 BY MR. COHEN:

23 Q. Do you have an explanation for
24 that?

25 A. Well, one good explanation is the

1 interpretation of the slides interpreting
2 what you mean by a liver cancer, because
3 Kimbrough said, "I have found liver cancers
4 in very high percentage of these animals
5 after two-years' study," she gave us the
6 slides and we sent them to two cancer
7 experts, two different ones, one at Nebraska,
8 one at Northwestern, and they said, "We don't
9 believe they're cancerous."

10 Q. So you think Kimbrough was wrong?

11 A. I think so, yes.

12 Q. She read the slides wrong?

13 A. Well, I don't know if she read
14 them or she had somebody else at the
15 government read them.

16 Q. Did you ever inquire whether she
17 read them?

18 A. I had one meeting with her, and I
19 don't know whether that came up. I mean,
20 she -- I thought she was sort of a
21 pathologist, but I don't know whether she had
22 another pathologist read it. I think she had
23 at least two people read it.

24 Q. Did you meet with her after she
25 published her study wherein she found

1 evidence of cancer in rats from exposure to
2 PCB's?

3 A. I don't know. I think -- I don't
4 know when she published it. It was finished,
5 because obviously, we got the slides from it,
6 and I don't know if it was in 1973 that -- I
7 believe. It was shortly before I left; I
8 don't know whether it was '73 or early '74.

9 Q. Did you meet with her?

10 A. Beg pardon?

11 Q. Did you meet with her after you
12 knew what her results were?

13 A. Yes.

14 Q. And did you discuss her
15 methodology with her?

16 A. Yes.

17 Q. And you didn't ask her if she read
18 the slides?

19 A. I may have. I don't know whether
20 I did or not. I don't know whether she did
21 it or whether she had a full-time
22 government -- full-time pathologist read
23 them, or whether she read them in conjunction
24 with him. I don't know that.

25 Q. Well, let me ask you this. Did

1 anyone else meet with you at the time that
2 you met with Dr. Kimbrough?

3 A. Yes.

4 Q. Who else was there?

5 A. There was somebody from Industrial
6 Bio-Test, there was people, I don't know if
7 Papageorge was there at that time in the
8 environmental group. I know Ellenberg
9 Wheeler was.

10 Q. You all met with Dr. Kimbrough
11 together?

12 A. What?

13 Q. You all met with Dr. Kimbrough
14 together?

15 A. Yes.

16 Q. In the same room?

17 A. She came down to St. Louis.

18 Q. She brought her slides?

19 A. No, that was done afterwards.

20 That was, she -- we asked for her slides. We
21 said, "We'll give you Bio-Test slides, and
22 would you send us your slides," so we both
23 agreed.

24 Q. Had Bio-Test tested on the same
25 test protocols that Dr. Kimbrough had done?

1 A. I don't know if she used two
2 species or not. I think she only used one
3 species, and Bio-Test used two species. I
4 think Kimbrough's was either in males or
5 females; I don't know which we used, one
6 species, and Bio tested two species.

7 Q. Species, or sex?

8 A. I mean, I'm sorry, sex.

9 Q. You used the same species?

10 A. Same species, both rats, and we
11 used, I think, male and female and she used
12 either male or female.

13 Q. Did you use the same type of rat?

14 A. I can't remember that. I don't
15 know.

16 Q. You agree with me that rats can be
17 bred in a way that you can breed out genetic
18 wildness?

19 A. Breed out what?

20 Q. Genetic wildness.

21 A. Well, yes, but I also could be
22 bred to increase the possibility of obtaining
23 malignancies.

24 Q. Well, I understand that, sir, but
25 you'll agree with me that rats can be bred to

1 breed out genetic wildness, and in fact, rats
2 are bred that way.

3 A. Yes, sir.

4 Q. And rats are bred as laboratory
5 test animals.

6 A. Yes, sir.

7 Q. And you can breed them to create
8 an animal that has greater susceptibility at
9 certain target organs or lesser
10 susceptibility at certain target organs.

11 A. Yes. It's not absolute, of
12 course.

13 Q. Well, we're dealing with living
14 things, dealing with science that's not
15 absolute, either; you agree with that?

16 A. Yes.

17 Q. Do you know if you used the same
18 species and type of animal at Industrial
19 Bio-Test that Dr. Kimbrough used?

20 A. I don't know at this date
21 whether --

22 Q. Did you know then?

23 A. Yes, but I don't recall what the
24 answer would be.

25 Q. Do I understand that Industrial

1 Bio-Test had previously done a similar test
2 and come up with different results than Dr.
3 Kimbrough?

4 A. Yes, sir.

5 Q. So you wanted to meet with Dr.
6 Kimbrough?

7 A. Yes, sir, or she wanted to meet
8 with us. I think it was mutual.

9 Q. Whose invitation was it?

10 A. I don't remember.

11 Q. She came here?

12 A. She came down to St. Louis, right.

13 Q. Where did you meet?

14 A. At Monsanto.

15 Q. And you don't recall whether she
16 told you that she read the slides herself?

17 A. No, sir. I don't think that was
18 very important, frankly. I'm sure she had an
19 adequate pathologist. You must remember, in
20 those days, they changed the definition of
21 what is a liver malignancy and what isn't
22 just about that time, so one person's
23 interpretation may have been different than
24 another person's interpretation.

25 Q. They changed it from what to what?

1 A. Well, they changed for the
2 criteria that would call, allow a particular
3 section to be called malignant, rather than a
4 hepatoma, which is just increased the liver
5 cells.

6 Q. Now, when you say "malignancy,"
7 does that mean that it is capable of becoming
8 metastatic disease?

9 A. Not necessarily, no, because these
10 were not metastatic, these were --

11 Q. These were nonmetastatic lesions?

12 A. That's correct.

13 Q. In fact, have PCB's ever been, has
14 exposure to PCB's, either in animal tests or
15 epidemiology, any type of test whatsoever,
16 has exposure to PCB's ever been associated
17 with a lesion that can become metastatic?

18 A. Well, I certainly know of no
19 epidemiological studies that show that PCB's
20 are associated with a higher incidence of
21 malignancy. I don't know of any of those.

22 Q. I'm not asking you about
23 malignancy, I'm asking you about metastasis,
24 sir.

25 A. I don't know of any. I mean, in

1 animals, I don't know. I don't recall.

2 Q. You do agree that they have been
3 associated in animal studies with
4 malignancies?

5 A. Some workers believe it and some
6 don't believe it.

7 Q. Some scientists have published
8 studies in which they believe exposure to
9 PCB's in animals has caused malignancies?

10 A. Yes, sir, and some scientists have
11 also published material in which they state
12 there were no malignancies.

13 Q. Do you know of a test where a
14 scientist in animals has said that the
15 malignancies were capable of being
16 metastatic?

17 A. I don't recall those reports.

18 Q. But to your knowledge, they may
19 exist?

20 A. They may and they may not. I
21 don't know.

22 Q. Is Dr. Kimbrough a pathologist?

23 A. Whether she's board certified in
24 pathology, I don't know, but I had thought
25 that was one of her early training in Germany

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 was in pathology.

2 Q. She's a medical doctor?

3 A. Yes, she is.

4 Q. Do you know if she has any other
5 specialties for which she is either certified
6 or eligible for certification?

7 A. No. I don't know whether she even
8 tried to be certified.

9 Q. Do you know if she had anything at
10 that time for which she was certified or
11 eligible?

12 A. What it is, did you say?

13 Q. Do you know if at the time you met
14 with her in and around 1973, she had any
15 specialty for which she was either certified
16 or eligible?

17 A. I do not know.

18 Q. Is it your recollection that she
19 told you she had another pathologist read the
20 slides?

21 A. No, sir, I do not know that. I
22 don't recall that she said that.

23 Q. Do you know that if, after you
24 took possession of her slides and had them
25 reviewed by other pathologists, she reviewed

1 them, herself, again?

2 A. I do not know that, and I believe
3 that whole procedure went on after I left the
4 company.

5 Q. Did you ever follow up on it?

6 A. I was gone from Monsanto.

7 Q. Well, I know you were gone, but
8 did you ever follow up, intellectual
9 curiosity or otherwise?

10 A. I do not know whether she
11 re-reviewed her slides or not.

12 Q. Do you know if she had any other
13 scientist review the slides?

14 A. I do not know that, either.

15 Q. Was her study reviewed by peer
16 reviewers?

17 A. I don't know that, either.

18 Q. Who was your successor, again?

19 A. Dr. George Rausch.

20 Q. Did he follow up on this?

21 A. I don't know.

22 Q. You never discussed it with him?

23 A. Never did.

24 MR. COHEN: Off the record.

25 (Discussion off the record.)

1 BY MR. COHEN:

2 Q. As far as we know, Dr. Rausch is
3 still with us, alive in St. Louis?

4 A. That is correct.

5 MR. MALIN: What's your other
6 question? Did he retire from Monsanto?

7 THE WITNESS: Yes, sir.

8 MR. MALIN: Is he retired from
9 Monsanto?

10 THE WITNESS: He retired from
11 Monsanto at the age of 66 or 67, and I don't
12 know, I think that was about two years ago or
13 three years ago.

14 BY MR. COHEN:

15 Q. Do you know the phrase "low-dose
16 extrapolation".

17 A. I can't hear you.

18 Q. Do you know the phrase, "low-dose
19 extrapolation"?

20 A. No, I do not.

21 Q. You've never heard of it in
22 association with animal studies?

23 A. No, sir.

24 MR. COHEN: Why don't we break off
25 at this time for lunch.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 MR. MALIN: Okay. Fine with me.

2 BY MR. COHEN:

3 Q. Oh, before we break off, just so
4 that we're clear on the record, while we
5 still have Kelly 24, on the record, Doctor,
6 could you identify Page 2 of that document?

7 A. Page 2 of the document is a
8 memorandum dated October the 21st, 1968, from
9 Wheeler to Richard, and titled
10 "Polychlorinated Biphenyls in the
11 Environment" with copies to Kelly, Tucker,
12 Keller, Paton, Bergen and Johnson.

13 MR. COHEN: Thank you, sir.

14 THE WITNESS: Beg pardon?

15 MR. COHEN: Thank you.

16 (Luncheon recess)

17 BY MR. COHEN:

18 Q. Doctor, we were talking about
19 animal studies, and let me ask you this. To
20 your knowledge, does the EPA or any other
21 federal regulatory agency rely upon the
22 results of animal studies in making
23 decisions?

24 MR. MALIN: Object to the form of
25 the question.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Answer the question.

2 A. I do not know what they rely on.

3 BY MR. COHEN:

4 Q. Is that something that's outside
5 the scope of your knowledge?

6 A. No, but government decisions are,
7 the reasons for government decisions are.
8 I'm not privy to them. I don't know what
9 they rely on and how much they rely on animal
10 studies, how much they rely on media, how
11 much they rely on public opinion.

12 Q. Let me ask you this. Have you
13 ever seen any documents, either while you
14 were Medical Director for Monsanto or
15 subsequent thereto, wherein the EPA or any
16 other governmental agency expressed any view
17 of the applicability of animal research
18 regarding the toxicity of PCB's to ailments
19 in human beings?

20 MR. MALIN: Object to the form of
21 the question.

22 Answer the question, if you think
23 you understand it, Doctor.

24 THE WITNESS: I got interrupted.
25 Would you repeat it?

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

(Record read.)

MR. MALIN: And I objected to the form of the question.

A. There have been animal studies quoted in the NIOSH documents, N-I-O-S-H. How much they relied on that, I don't know, but they quoted them as part of their belief in, when they set standards. The EPA, I do not know. Somebody, some group in the government stated that PCB's were an animal carcinogen and could be considered a human carcinogen.

Q. Do you agree with that view?

A. I agree with part of the view. I do not, I do not agree with the fact that it's a human carcinogen.

Q. You agree that it is a proven animal carcinogen?

A. It depends, again, on the dose, it depends on -- you can -- you could take, there are animal studies that -- take saccharin: They use five percent of the diet, of the human diet, in saccharin, so they get two percent animals. Well, by somebody's definition, that could be a

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 carcinogen, an animal carcinogen. Five
2 percent of the diet is a completely
3 irrelevant dosage, so I would not agree with
4 that. I think that there are certain
5 compounds that no matter how much you give,
6 you will not get a malignancy. There are
7 certain compounds that, in animals, if you go
8 high enough and long enough, you can get
9 cancer. Now, what the definition of a human
10 carcinogen without dosage levels is -- I
11 don't, I don't accept that type of thinking.

12 Q. The question I'm asking you,
13 Doctor, is whether you agree that PCB's have
14 been proven to be a carcinogen in animals.

15 MR. MALIN: Objection to the form
16 of the question.

17 Answer the question.

18 THE WITNESS: Beg pardon?

19 MR. MALIN: Objection to the form
20 of the question. Give me a --

21 A. A little -- okay.

22 MR. MALIN: -- a little time
23 before you answer the question, in case I
24 want to object to the form.

25 MR. COHEN: Since all your

1 objections are to the form, why don't you
2 just say for the record, "Objection"? We'll
3 know it's an objection to form, and if you
4 have an objection on any other basis, you can
5 state it, and that way, we can speed this up.

6 MR. MALIN: Fair enough. Fair
7 enough.

8 MR. COHEN: And the doctor can
9 continue with his testimony without
10 interruption.

11 Can I have the last question read
12 back before the last objection to the form of
13 the question?

14 (The requested portion of the
15 record read by the reporter)

16 A. According to some studies, they
17 have been, and according to other studies,
18 they have not. When you are talking about
19 PCB's, the only one was 1260. You can't
20 include all PCB's under that statement.

21 BY MR. COHEN:

22 Q. That's Aroclor 1260.

23 A. Yes.

24 Q. Are you aware of any studies that
25 have studied the dielectric fluid known as

1 Pyranol?

2 A. Yes --

3 MR. MALIN: Objection. Answer the
4 question.

5 A. Yes.

6 BY MR. COHEN:

7 Q. Animal toxicity studies that have
8 studied Pyranol?

9 A. Yes. Which Pyranol?

10 Q. Any Pyranol.

11 A. Yes.

12 Q. Okay, which Pyranol was studied?

13 A. I thought it was Pyranol PPR or
14 something like that. We ran an acute test on
15 it.

16 Q. A who?

17 A. Beg pardon?

18 Q. You ran a who? A what?

19 A. An acute test on Pyranol, one of
20 the PEER-an-ols (Phonetic), PIE-ran-ols
21 (Phonetic).

22 Q. Your firm, Monsanto, ran an acute
23 toxicity test on a Pyranol liquid?

24 A. That's correct.

25 Q. When was that done?

1 A. Sometime before 1970, to the best
2 of my recollection, but I don't know the
3 date.

4 Q. Who did the test?

5 A. Either Scientific Associates or
6 Younger Laboratories in St. Louis.

7 Q. You used them before you used IBT?

8 A. Well, we used them for acute
9 testing. We didn't use IBT for acute
10 testing, we used them for subchronic and
11 chronic testing.

12 Q. But hadn't -- wasn't your
13 experience with Scientific and Younger
14 substantially -- didn't that substantially
15 predate the IBT testing?

16 A. Well, yes, but there were two
17 different types of laboratories, two
18 different types --

19 Q. Doctor, all I'm trying to do is
20 get a time frame. If the answer is "No, we
21 used them without regard to time but for
22 different tests," that's fine, but I'm just
23 trying to find out the time frame.

24 A. We used them before and during the
25 times we used IBT.

1 Q. Thank you.

2 Do you know when this test was
3 done relative to the time that you were doing
4 PCB tests with IBT?

5 A. I would have to guess. I do not
6 know.

7 Q. What was the acute toxicity test?
8 Was this to find an LD-50?

9 A. Yes, sir.

10 Q. What was the LD-50? Do you
11 recall?

12 A. Around four grams per kilo.

13 Q. Is that more or less than a pure
14 PCB?

15 A. About the same.

16 Q. And compared to what PCB? Which
17 Aroclor?

18 A. Probably 1254 or 1248. I'm not
19 sure.

20 Q. Is 1254 and 1248 more, or less
21 toxic than 1260?

22 A. Yes, they are less toxic.

23 Q. Less toxic?

24 A. Less toxic.

25 Q. Than 1260?

1 A. Beg pardon?
2 Q. Than 1260?
3 A. Yes, sir.
4 Q. And you say you thought it was a
5 PPR?
6 A. I thought that was it.
7 Q. What does PPR mean to you, sir?
8 A. I don't know what it means.
9 Q. Well, was PPR, as you understood
10 it, their specification or product name, such
11 as Aroclor 1260 is one of your product names?
12 A. I don't know. I just remember
13 seeing a label, and I thought it was Pyranol
14 PPR.
15 Q. What animals were used in that
16 test?
17 A. Rats.
18 Q. Did you order the test done?
19 A. Either I or somebody in the
20 department, yes, sir.
21 Q. But it was during your tenure as
22 Medical Director?
23 A. Yes, sir.
24 MR. COHEN: Have you ever produced
25 that test, Mr. Malin?

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 MR. MALIN: I don't know. I'd
2 have to check.

3 MR. COHEN: All right, I'll make a
4 request at this time -- and make a note of
5 the request, if you would, please, in a
6 separate index -- for all toxicity tests,
7 animal toxicity tests done by Monsanto
8 Chemical Company on Pyranol, P-y-r-a-n-o-l.
9 BY MR. COHEN:

10 Q. Did you ever do any tests on
11 Inerteen?

12 A. I don't recall. We may or may
13 not.

14 Q. Same question for Inerteen,
15 I-n-e-r-t-e-e-n: Is that the only tests that
16 you are aware of, animal toxicity tests that
17 you are aware have been done on Pyranol?

18 A. Yes, sir.

19 Q. Did I ask you this before? Are
20 you aware of any animal toxicity tests done
21 on mono-, di-, tri- or tetrachlorobenzenes?

22 A. By anybody, anyplace?

23 Q. Anybody, anyplace, anytime.

24 A. Yes, I think Henry Smith did some
25 on them.

1 Q. And that's in the literature that
2 you had available in your office?

3 A. Yes, sir.

4 Q. Did you ever order any such tests
5 done?

6 A. Not that I recall.

7 Q. What caused to you do the test on
8 the Pyranol liquid?

9 A. I don't recall. It could have
10 been freight classification, it could have
11 been somebody asked for it. I don't know.

12 Q. So you determined an LD-50 and you
13 never did anymore tests?

14 A. Well, there was a package that we
15 were doing at that time: We would do skin
16 absorption, we would do LD-50, we would do
17 ocular testing, drop it in the eye, and we
18 would do saturated ambient temperature --
19 saturated atmosphere at ambient temperatures.

20 Q. You wanted to determine how much
21 the atmosphere could hold at elevated
22 temperatures?

23 A. No, not elevated, ambient.

24 Q. At ambient. I'm sorry, so room
25 temperature?

1 A. At room temperature.

2 Q. And that would be before it would
3 turn into droplets's?

4 A. Well, I don't know how they
5 dispersed it, whether they dispersed it as a
6 spray or just in open containers in the
7 testing cage. I don't know how they did
8 that.

9 Q. So you don't know if it was misted
10 or otherwise?

11 A. I don't know whether it was
12 misted. I don't believe it would be misted.

13 Q. Who designed the protocol for that
14 series of tests?

15 A. I thought it was sort of a
16 standard procedure that most acute testing
17 laboratories did. I don't know who designed
18 it.

19 Q. You didn't have any input into its
20 design?

21 A. Well, I'm sure I told them what we
22 wanted, but as far as designing of the test,
23 no, I didn't.

24 Q. Do you know of any other subacute
25 chronic toxicity tests done on Pyranols or

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Inerteen?

2 A. At one time, Westinghouse did some
3 work on Inerteen. I saw a copy of that at
4 one of these depositions, and I don't know
5 whether -- how far along that was as far as
6 subacute is concerned. I thought it was a
7 relatively acute testing. I had nothing to
8 do with it.

9 Q. Do you know anybody who's ever
10 done epidemiological studies using Pyranol,
11 exposures to Pyranols?

12 A. Yes, somebody at GE did it.

13 Q. You are aware of GE tests?

14 A. I don't know if it's GE -- I mean,
15 it was a group at GE, Medical Department, did
16 an epidemiological study on workers exposed
17 to Pyranol, yes.

18 Q. On GE workers exposed to Pyranols?

19 A. That's correct.

20 Q. Are you familiar with those
21 results?

22 A. Yes, they didn't show any ill
23 effect, any association of illness with
24 workers.

25 Q. Do you know how to design an

1 animal study?

2 A. Beg pardon?

3 Q. Do you know how to design an
4 animal toxicology study?

5 MR. MALIN: Objection. Answer the
6 question.

7 MR. COHEN: Wait, strike that.

8 BY MR. COHEN:

9 Q. Do you know how to design an
10 animal toxicity study?

11 A. As of now, or as of what time
12 frame?

13 Q. Well, did you ever know how to do
14 one?

15 A. Certainly, I did.

16 Q. Tell me how you go about designing
17 an animal toxicity study.

18 A. Well, you decide on what the use
19 of the product is going to be, what the
20 exposure levels are going to be, presumed
21 exposure levels, the type of exposure, and
22 whether the exposure is going to be
23 short-term, long-term, inhalation, or oral,
24 or determineal, so they are all, any number
25 of factors that go into designing the test.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Q. Do you start off with a hypothesis
2 of what you want to prove?

3 A. I don't know if you -- the term is
4 what you want to prove; it's what you want to
5 find out.

6 Q. Okay, if you sat up something that
7 you want to determine through your tests,
8 that is, that an exposure of this compound,
9 at this dose, through this mode of absorption
10 for this period of time will or will not
11 result in "X"?

12 A. That's true. That is correct.

13 Q. Is that how you do it?

14 A. Beg pardon?

15 Q. Is that how you do it?

16 A. Yes, except if you are doing a
17 test that would come under government
18 regulations, if you were doing a test for a
19 food additive or an indirect food additive,
20 then there are other criteria that have to be
21 carried out.

22 Q. Now, we're not talking about
23 foods, here, so --

24 A. Okay.

25 Q. -- we can put that aside. PCB's,

1 to your knowledge, were never food additives?

2 A. Beg your pardon?

3 Q. PCB's to your knowledge were never
4 food additives?

5 A. Never were, no.

6 Q. First thing you said is, is you
7 decide on a use.

8 A. Yes.

9 Q. What did you mean by that?

10 A. Well, if the stuff is going to be
11 used where it comes in contact with people in
12 an open operation, that's one use. If it's
13 going to be, if there's going to be exposure
14 at elevated temperatures, that's the another
15 use. If it's going to be intermittent
16 exposures or continuous exposures, that's a
17 third use. There are any number of
18 variables.

19 Q. You agree, then, that the purpose
20 in conducting the animal toxicity test is to
21 test a hypothesis of the effect of the
22 substance on humans?

23 A. I don't understand your use of the
24 word "hypothesis" in this particular case.
25 You are testing it to find out what a safe

1 level of the material may be or what its
2 inherent toxicity is that you compare it with
3 other compounds that you know have been used
4 without ill effects.

5 Q. My point, sir, the question was,
6 with respect to animal toxicity tests, you
7 agree, then, that the purpose of the animal
8 toxicity test is to establish safe levels of
9 use in humans?

10 A. Yes.

11 Q. Do you believe that there is
12 scientific validity to such testing?

13 A. Well, there's a scientific use,
14 usefulness of it.

15 Q. Tell me what that is.

16 A. Well, as we went over it this
17 morning, it gives you a relative toxicity of
18 this particular compound vis-a-vis other
19 compounds that you know have been used in
20 industry. It also may show you a target
21 organ that could be correlated with what
22 clinical experience have been, has been in
23 the workplace.

24 Q. And that's something that happened
25 to occur in PCB studies?

1 A. Yes.

2 Q. That you identified a target
3 organ, to-wit, the liver, which has proven to
4 be the same target organ in clinical studies.

5 A. No, that isn't correct. That
6 isn't what I said.

7 Q. Tell me what you said.

8 A. Well, in clinical studies, we have
9 seen acute chemical hepatitis from inhalation
10 of PCB's at elevated temperatures. They, the
11 target organ there was the, was the liver.
12 The target organ in animals, both acute and
13 chronic, also was the liver. There have been
14 no clinical studies that showed,
15 epidemiological studies that showed harm to
16 the liver in industrial workers.

17 Q. Okay, now, you threw in two
18 factors, there. You said inhalation studies
19 of PCB's at elevated temperatures. What, in
20 your mind, is the significance of the issue
21 of the mode of absorption being inhalation?

22 A. What is its significance?

23 Q. Yes.

24 A. Well, it's a route of exposure if
25 you have, if you have the material at

1 elevated temperatures, or in a confined
2 space, you'll get more exposure, and the more
3 exposure you get --

4 Q. I think you misunderstood my
5 question, Doctor. I was asking you about --

6 MR. MALIN: I'm going to object
7 because this line of inquiry was covered in
8 the last deposition and we've been over the
9 freon studies, and the two cases where there
10 was jaundice developed as a result of
11 inhalation. We went over those, I think,
12 half a day.

13 MR. COHEN: We're talking now
14 about the formulation of studies and the
15 comparability of studies. We're not talking
16 about --

17 MR. MALIN: Answer the question.

18 BY MR. COHEN:

19 Q. I'm asking you the significance of
20 the mode of absorption, which is inhalation.
21 What was the significance of the mode of
22 absorption?

23 A. The significance of the mode of
24 absorption is that it gives you the basis for
25 telling people how to avoid such exposure.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 Q. Do you feel that the mode of
2 absorption had any effect on the outcome of
3 the study?

4 A. Well, certainly, it did.

5 Q. What was the effect?

6 A. If you got enough of the material
7 absorbed, or if you got enough of the
8 material generated by elevating the
9 temperature of the material, you would absorb
10 more of the compound.

11 Q. I was -- I haven't gotten to the
12 elevation of temperature yet, sir.

13 A. I thought we were talking about
14 that.

15 Q. I'm talking about inhalation as
16 the mode of absorption as distinguished from
17 ingestion by eating; eating it or absorption
18 through skin? Dermal absorption?

19 A. I can tell you this, then, that
20 there is no worker exposure at room
21 temperature by inhalation. The vapor
22 pressure of the PCB's is too low to cause a
23 hazardous, or cause a condition which
24 absorption could occur.

25 Q. It's simply not volatile enough to

1 be inhaled?

2 A. That's correct.

3 Q. Fine. Let's talk about
4 inhalation, sir. Is there any significance
5 to inhalation as the mode of absorption as
6 distinguished from ingestion or derminal
7 absorption?

8 A. The action of the compound on the
9 body is, to my opinion, the same as, no
10 matter how you get the material into the
11 body.

12 Q. Fine. And the elevated
13 temperature is, as you just discussed,
14 significant because there is no inhalation
15 absorption at room temperature because of the
16 lack of volatility of the material?

17 A. Except if you are in a quite
18 confined space and in there for a long period
19 of time.

20 Q. So in a very confined space, the
21 fumes would be sufficient to cause some
22 absorption?

23 A. May be, yes.

24 Q. Maybe; okay. How elevated need
25 the temperature be before the -- before you

1 increase the risk?

2 MR. MALIN: Objection. Give him
3 Boyle's law.

4 BY MR. COHEN:

5 Q. Give me Boyle's law, or if you
6 prefer, give me your answer.

7 A. I'll give the answer.

8 Q. Good.

9 A. First of all, you said increased
10 risk. I do not accept the fact that there is
11 any risk at ambient temperatures. There is a
12 risk if you -- depending on which Aroclor you
13 use. The more highly chlorinated ones are
14 not as volatile as the lower chlorinated
15 ones, and I cannot give you an absolute
16 figure of 220 or 300 that would start
17 constituting a risk. I don't know that.

18 Q. So as I understand your testimony,
19 the route of absorption, that is, whether
20 it's dermal absorption, ingestion or
21 inhalation, is not significant in the effect
22 of the compound on the organism?

23 A. I would say in general, yes, but
24 it may be that you may have to have different
25 levels of exposure. I think that inhalation

1 at elevated temperatures would probably be,
2 could constitute more of a possibility of
3 absorption than skin contact. I may be wrong
4 on that, but that's just an impression I
5 have.

6 Q. Is it readily absorbed through
7 whole skin?

8 A. I don't know how readily you mean
9 by "readily", but it can be absorbed if you
10 put a -- it can be absorbed, but I've seen
11 dozens of cases where people have had
12 good-size amounts that were wiped off
13 without -- with no ill effect at all.

14 Q. Is the rate of absorption through
15 whole skin equal to or greater than the rate
16 of absorption through broken skin?

17 A. Through --

18 Q. Broken skin.

19 A. I would think it's more absorbed
20 through broken skin. I don't think that's
21 been tested.

22 Q. You don't know if any test -- has
23 it ever been tested on burned skin?

24 A. Not that I know of.

25 Q. Are the only tests that you are

1 aware of on dermal absorption through whole
2 skin?

3 A. That's correct.

4 Q. And they are animal tests?

5 A. Yes, sir.

6 Q. Do you feel that those results are
7 applicable to human exposure?

8 MR. MALIN: Objection. Answer the
9 question.

10 THE WITNESS: Hmm?

11 MR. MALIN: I said objection, but
12 answer the question, if you can.

13 THE WITNESS: Oh.

14 A. Do I think the animal --

15 BY MR. COHEN:

16 Q. Tests regarding dermal absorption
17 rates are applicable to humans.

18 A. Well, they're applicable, but I do
19 not think they are a hundred percent
20 applicable because animal skin is not the
21 same as human skin is concerned.

22 Q. What would you do to determine the
23 degree of applicability, Doctor?

24 A. I don't believe you could do that
25 because I don't agree with human

1 experimentation, and that's the only way you
2 could find out.

3 Q. So short of experimenting with
4 humans, you could not develop a hundred
5 percent correlation, in your mind?

6 A. That's correct.

7 Q. Are you aware of any studies that
8 compare the rates of absorption in animal
9 toxicity studies with observed absorption
10 rates in humans in epidemiological studies?

11 A. No, sir.

12 Q. Are you aware of any study that
13 has, epidemiological study that has looked
14 into the issue of rates of absorption through
15 whole skin?

16 A. No, sir.

17 Q. So it would be fair to say there's
18 simply no science on that point?

19 A. No science known to me. I don't
20 know.

21 Q. Do you think that you can base any
22 inferences from animal data on the increased
23 risk of contracting disease -- and in this
24 case, let's talk about cancer -- in humans
25 from any animal toxicity study on any

1 chemical?

2 MR. MALIN: Objection. If you
3 think you understand that question, try to
4 answer it.

5 THE WITNESS: Well, give it back
6 to me and I'll try to understand it.

7 (The requested portion of the
8 record read by the reporter)

9 A. Well, I think there is a certain
10 amount of, of reliance, but I don't know what
11 percentage that is, because as I said
12 earlier, that animals vary in species, they
13 vary in the results of animal
14 experimentation. Where the human animal fits
15 into that, in the vast majority of cases we
16 don't know. There are some cases, as I
17 mentioned earlier, where the dosage in
18 animals, where you develop cancer, is
19 completely irrelevant to any possible
20 exposure that a human may have.

21 Q. The dosage issue, the dosage issue
22 aside, for the moment, would you agree that
23 the fact that animals can be bred to
24 eliminate genetic wildness enables you to
25 have a higher level of predictability from

1 animal studies than you would have from
2 epidemiological studies in humans?

3 MR. MALIN: Objection.

4 A. No, sir.

5 MR. MALIN: I don't understand
6 that question. If you think you understand
7 it, go ahead.

8 MR. COHEN: He already answered
9 "No, sir."

10 A. (Continuing) As I understand it,
11 you are saying that you have these
12 hypothetical animals that are bred not to
13 have cancers without a severe insult. Is
14 that what you are talking about, genetic
15 wildness?

16 BY MR. COHEN:

17 Q. No, when I speak of genetic
18 wildness, we would agree that human beings,
19 unlike purebred dogs or horses, thoroughbred
20 horses or whatever, we know very little about
21 the genetic makeup of one human being who
22 mates with another human being and produces
23 an offspring, and because human beings are
24 not bred, but rather, choose to do their own
25 breeding for whatever indiscriminate reasons

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 that they choose, the genetic pool in any
2 individual human being is going to differ
3 wildly from the next human being.

4 A. Yes, we agree to that.

5 MR. COX: I object to the
6 question, if that's a question --

7 MR. COHEN: He agrees with me.

8 MR. COX: -- or statement,
9 whatever it is, I object to that series of --

10 MR. COHEN: Well, I don't know the
11 basis of your action. The witness agrees
12 with me.

13 MR. COX: I object to it as to
14 form.

15 MR. COHEN: Fine.

16 MR. COX: And I object to your
17 making statements to the witness.

18 MR. COHEN: You've made your
19 objection.

20 MR. MALIN: I join in that
21 objection.

22 BY MR. COHEN:

23 Q. Now, we agree that with the
24 concept that humans are genetically wild;
25 that is, there is not a great deal of genetic

1 similarity between humans other than,
2 perhaps, identical twins.

3 A. Well, there are genetic
4 differences between the black race and the
5 white, the Caucasian race. There are ethnic
6 groups that are genetically different.
7 Jewish people may have a tendency towards
8 some diseases that Irish people may not have.

9 Q. Right, such as Tay-Sachs.

10 A. Right.

11 Q. And other Eastern Europeans may
12 also share the same genetic predisposition
13 towards Tay-Sachs disease; right? And blacks
14 may share a predisposition towards sickle
15 cell anemia that whites may not necessarily
16 have, although certain whites from Eastern
17 areas of the world have also been found to
18 have genetic predisposition to sickle cell
19 anemia; correct?

20 A. I lost the last half of your
21 discourse. What was the last two sentences
22 you said?

23 Q. Again, I'm trying to establish,
24 sir, of --

25 MR. COX: Again, I object. It is

1 a discourse, and I object to it.

2 MR. MALIN: I join.

3 BY MR. COHEN:

4 Q. All I'm trying to establish, sir,
5 is that we can agree that while there are
6 certain characteristics that are linked to
7 certain categories of humans, for the most
8 part, human beings tend not to be genetically
9 similar one to the other.

10 A. I agree with that.

11 Q. Whereas animals can be bred to be
12 genetically similar one to the other.

13 A. I agree with that.

14 Q. And we can identify certain
15 characteristic genes in animals and breed the
16 animal for an increased likelihood of that
17 characteristic or a decreased likelihood of
18 that characteristic.

19 A. I don't know about that.

20 Q. Well, you do know about Mendel's
21 laws.

22 A. Yes.

23 Q. And you would agree that animals
24 can be bred to enhance certain
25 characteristics or decrease them?

1 A. Yes.

2 Q. Now, considering genetic wildness,
3 do you --

4 A. Considering genetic --

5 Q. Considering this characteristic
6 that distinguishes humans from laboratory
7 animals, would you agree or disagree that you
8 can have a higher degree of predictability of
9 result in animal tests than in human tests?

10 MR. MALIN: Objection.

11 Answer the question.

12 A. Predictability of what kind of
13 results?

14 BY MR. COHEN:

15 Q. Well, let's go back to what we
16 were talking about before, establishing a
17 hypothesis and trying to establish and prove
18 it through a toxicity test.

19 A. Okay. Now, what's the question?

20 Q. Would you agree that you have a
21 greater degree of predictability in
22 establishing and proving that hypothesis
23 through animal tests, rather than humans?

24 A. Not necessarily, because the
25 compound may be metabolized differently, the

1 absorption may be different by humans than it
2 is by animals, no matter how they are bred.

3 Q. And the only way to determine that
4 rate of absorption, as we discussed this
5 morning, is by comparing the metabolites in
6 the blood and the urine?

7 A. Yes, sir.

8 Q. Which may or may not be done.

9 A. That's correct.

10 Q. And if you do it in humans and you
11 do it in animals and you find out that it's
12 the same, then what's your answer?

13 A. Then there is -- what was the
14 question, now? Answer to what?

15 Q. Let's go back to the last
16 question. Will you have a greater degree of
17 predictability in the animal toxicity tests
18 rather than in human tests?

19 A. That's an assumption that I just
20 can't answer because I -- it's an assumption
21 that I don't know whether it would turn out
22 to be scientifically valid.

23 Q. So you are not willing to make
24 that assumption?

25 A. I'm not willing to make the

1 assumption.

2 Q. Is there agreement or disagreement
3 on the -- in the scientific and medical
4 communities on the significance of animal
5 data?

6 MR. MALIN: Objection.

7 Answer the question if you think
8 you understand it.

9 A. Yes, there is. Some of the people
10 in the scientific community pay no -- give no
11 weight to animal testing, and some give it a
12 great deal of weight to it.

13 BY MR. COHEN:

14 Q. Where do you fall in that
15 spectrum?

16 A. I cannot hear you.

17 Q. Where do you fall in that
18 spectrum?

19 A. I think it depends on the, the
20 compound you are testing, it depends on the
21 animal you are using. I would tend to
22 believe that there is some value in animal
23 testing, yes. How great a degree, I don't
24 know. I don't belong to the group that says,
25 bases a hundred percent of their calculation,

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 of their belief on animal testing, and I
2 don't belong to the nihilists who say that
3 animal testing is mp good. I'm someplace in
4 the middle.

5 Q. You are someplace in the middle.
6 Are you more of one mind or the other with
7 respect to any particular compound?

8 MR. MALIN: Objection.

9 Answer that question if you think
10 you can. I don't understand it.

11 MR. COHEN: I would appreciate you
12 didn't make a speech every single objection,
13 Mr. Malin. Just say "Objection." Before, we
14 agreed, it's for form.

15 A. Well, there are some compounds
16 that are carcinogenic in animals and there's
17 been no clinical evidence of their being
18 carcinogenic in humans.

19 BY MR. COHEN:

20 Q. Is that, for example, PCB's?

21 A. Yes, and a host of other
22 compounds. There are probably two thousand
23 compounds that are carcinogenic in animals
24 and are probably only a couple of dozen of
25 those that may be carcinogenic, or maybe less

1 than that, that are carcinogenic in humans.

2 Q. Are you saying that are
3 carcinogenic in humans or that have been
4 proven to be carcinogenic in humans?

5 A. Have been accepted as being,
6 causing cancer in humans, yes, sir.

7 Q. Accepted by whom?

8 A. By a good part of the scientific
9 community. Nickel carbonyl is one, nickel
10 carbonyl is one, aminonaphthylamine is one,
11 so there it is. Those are certainly accepted
12 by the medical people, and they are
13 carcinogenic in animals in the same organ.

14 Q. Now let me ask you this, sir. Are
15 you saying that because PCB's have not been
16 proven to be carcinogenic in epidemiological
17 studies in humans, that you reject the animal
18 data as having application to humans?

19 A. Yes, I think that's true, because
20 we have not had carcinomas in humans. Now, I
21 don't know whether that is because the
22 material does not cause cancer in humans or
23 because there is not that exposure in the
24 usual use of the PCB's to give you enough
25 dose to cause cancer.

1 Q. Well, you are not saying you
2 haven't had carcinomas in humans, you are
3 saying that you have not had epidemiological
4 studies that have established to a
5 statistically significant degree an excess of
6 carcinomas in humans that were exposed in
7 that particular study.

8 MR. COX: I object to the form of
9 the question.

10 MR. MALIN: Same objection.

11 A. And I want to be sure I got this
12 question right. Will you tell me what the
13 question was?

14 MR. COHEN: And you don't have to
15 say "Objection" a second time when the
16 reporter reads it back; once the is enough.

17 MR. COX: Arnold --

18 MR. COHEN: Yes.

19 MR. COX: I think you are,
20 perhaps, using up more time than you are
21 saving by being so rigid about how the
22 objections are lodged.

23 MR. COHEN: You are trying to
24 prevent the witness from hearing and
25 answering the question when he's ready to

1 answer.

2 MR. COX: I'm going to make my
3 objection.

4 (The requested portion of the
5 record read by the reporter)

6 THE WITNESS: Well, speaking as
7 the witness, I haven't paid to much attention
8 to him.

9 MR. COHEN: Thank you. I
10 appreciate that. That's, unfortunately, his
11 history in life.

12 THE WITNESS: No, as far as I'm
13 concerned, he is not influencing me as to how
14 I'm going to answer this question.

15 Now, will you give me the question
16 again?

17 MR. COHEN: Good. Thank you,
18 Doctor.

19 (The requested portion of the
20 record read by the reporter)

21 A. You were talking now of a
22 particular study that showed an excess of
23 carcinomas in humans that were associated
24 during their working period, working life
25 with PCB. Now, there have been individual

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 studies that have shown this, but these
2 individual studies have not been
3 reproducible, and in some of the cases,
4 follow-up studies have negated the original
5 conclusion.

6 BY MR. COHEN:

7 Q. I understand what you are telling
8 me about epidemiological studies, sir.

9 A. Well, I'm trying to answer your
10 question on it, and I think I've answered it.

11 Q. What you said in response to a
12 question about two questions back was that we
13 haven't had carcinomas in humans.

14 A. Proven due to exposure to PCB,
15 yes.

16 Q. Well, the key, there, is the word
17 "proven." Isn't that right, sir?

18 MR. MALIN: Objection.

19 A. Well, no, I don't believe that's a
20 key word. I mean, that appears valid
21 evidence to me, that if you do not have
22 reproducible, statistically correct
23 epidemiological studies that have repeatedly
24 shown the same cancer in different groups, it
25 looks like it's pretty scientifically proven,

1 to me, that there is no association.

2 Q. So what you are saying is that you
3 are relying upon the results of the
4 epidemiological studies done thus far to
5 conclude that we haven't had carcinomas in
6 humans as a result of exposure to PCB's?

7 A. Yes, sir.

8 Q. You have not individually followed
9 any of these people in those studies to know
10 whether they had carcinomas or not.

11 A. That's correct.

12 Q. And in fact, some of the people in
13 those studies did have carcinomas.

14 A. Well, yes, you are talking about
15 something else now. Certainly, they had
16 carcinomas. Whether that carcinoma was due
17 to a PCB is the key question, it seems to me,
18 and I admit the fact they had carcinomas, but
19 I also state that it has not been
20 scientifically or statistically proven that
21 such carcinomas were due to PCB exposure.

22 Q. And that's because that study did
23 not reach statistically significant levels?

24 MR. COX: Objection.

25 MR. MALIN: Same objection.

1 A. Or there are other reasons besides
2 that. It was not reproducible.

3 BY MR. COHEN:

4 Q. So in some studies, it did reach
5 statistically significant levels but was
6 not reproducible in another study?

7 MR. McLAUGHLIN: Objection.

8 MR. MALIN: Objection. Again,
9 he's asked and answered the same question,
10 months apart, many, many different times.
11 You've asked it in so many different ways,
12 and you've gotten the same answer.

13 MR. COX: You twist it each time
14 you ask him.

15 MR. MALIN: It seems to me you've
16 taken an awful lot of time on this. I'm not
17 going to tell you how to take your
18 deposition, but it seems to me that we're
19 wasting time on the same issue.

20 But go ahead, Doctor; answer it
21 again.

22 THE WITNESS: Well, I'll have to
23 hear the question.

24 MR. COHEN: So will I. I've
25 forgotten it, too.

1 (The requested portion of the
2 record read by the reporter)

3 A. Well, there's no question on that
4 couple of sentences you told me. I don't
5 know what to answer.

6 MR. COHEN: Read the last question
7 before that. Two questions, answer,
8 question.

9 MR. COX: Cox objection to that.

10 MR. MALIN: Objection to that, as
11 well.

12 (The requested portion of the
13 record read by the reporter)

14 A. I think you'd have to show me the
15 epidemiological study, and I could comment
16 much more intelligently on it.

17 BY MR. COHEN:

18 Q. Do you know of any study, animal
19 study or epidemiological study that dealt
20 with chronic, long-term exposure to PCB's?

21 A. Would you break that down into
22 both animal and experiment, and --

23 Q. I'll do it separately. Do you
24 know of any animal toxicity study that dealt
25 with chronic, long-term exposure to PCB's?

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 A. Yes.

2 Q. What was long-term in those
3 studies? Can you tell me?

4 A. Two years.

5 Q. And what type of animals were we
6 speaking of?

7 A. Rats.

8 Q. And what were their life
9 expectancy, normally? Do you know?

10 A. Two years and a few months.

11 Q. So this was almost the entire
12 period of their lives?

13 A. That's correct.

14 Q. And what was the method of
15 exposure?

16 A. Oral feeding.

17 Q. And what was the dosage level? Do
18 you know?

19 A. One, I know, went up to a hundred
20 parts per million in the diet. I do not know
21 what the National Cancer Institute's study on
22 PCB was, but I have sort of a belief that
23 they used about the same level, a hundred
24 parts per million in the diet.

25 Q. And do you recall what the results

1 of those studies were?

2 A. Yes. The National Cancer
3 Institute did not find any statistical
4 increase in cancer that they could attribute
5 to the PCB. The Bio-Test studies done at
6 Monsanto, for Monsanto, did not show any
7 increase. Kimbrough's work did show an
8 increase, using 1260, in her rats.

9 Q. You said did not show a
10 statistical -- the National --

11 A. Cancer Institute.

12 Q. -- Cancer Institute did not show a
13 statistical increase. Was that an animal
14 toxicity study?

15 A. Yes.

16 Q. And they used statistical sampling
17 techniques?

18 A. I'm sure they did.

19 Q. Did they sacrifice the animals?

20 A. Oh, yes, certainly.

21 Q. And they studied them for evidence
22 of neoplasms?

23 A. Oh, yes.

24 Q. And what were they comparing the
25 results to? Are you saying they found no

1 neoplasms or they found no more neoplasms
2 than they would have expected in any
3 population, unexposed population of the same
4 breed of rats that were two years old?

5 A. I am saying I do not know the
6 exact number of tumors in the control, in the
7 test group, but --

8 MR. MALIN: The answer to your
9 question is, it was a control group; isn't
10 that the case?

11 A. Yes, there was a control group.
12 They did not find any significant increase in
13 tumors of any kind in the treated group.

14 BY MR. COHEN:

15 Q. Other than neoplasms, do you know
16 if they studied the animals for any other
17 form of medical injury?

18 A. Medical injury?

19 Q. Medical condition.

20 A. I don't believe -- it's been quite
21 a few years sense I read this study, but I
22 think they were reporting primarily on
23 malignancies, and I do not know whether there
24 were other illnesses that affected these
25 animals. I don't know that.

1 Q. Now, same question for
2 epidemiological studies in humans.

3 A. Okay, now, what was the question,
4 again?

5 Q. Do you know of any long-term,
6 chronic long-term exposure studies done in
7 humans, epidemiological studies done in
8 humans that showed a relationship between
9 that chronic long-term exposure and the
10 development of a medical condition or
11 disease?

12 A. As I have said repeatedly, there
13 are some studies over -- of workers who have
14 worked with PCB's over a long period of time
15 that, in some cases, have shown tumors. Some
16 have shown them of the prostate. A follow-up
17 on that showed -- didn't show excessive
18 prostate cancer.

19 There have been studies showing
20 carcinoma of the rectum in female employees.
21 The next time they followed these up, they
22 did not have an increase of carcinomas in the
23 rectum, so there have been reports of an
24 excess of cancer in workers who were exposed
25 long-term to PCB's, but as I said, they were

1 not reproducible, have not been reproducible,
2 they have been different cancers, so I have
3 to agree with the epidemiologists that say
4 there is no valid epidemiological studies
5 that shows that working with PCB's in the
6 industrial environment can cause cancer.

7 Q. And as we discussed earlier, you
8 have no personal knowledge of the exposures
9 that were covered by those epidemiological
10 studies?

11 A. No, sir.

12 Q. Do you believe that long-term
13 low-level exposure to benzene can cause
14 leukemia?

15 A. How low is low?

16 Q. Do you believe that there is a
17 level of exposure to benzene over a long
18 period of time that will cause leukemia in
19 humans?

20 A. Yes.

21 Q. Do you remember when we took your
22 deposition the first part of this deposition
23 back in December, we talked about quality
24 control testing in order to determine the
25 presence of the benzofurans in the product

1 going out the door?

2 A. Yes, sir.

3 Q. And you believed that that testing
4 occurred subsequent to your retirement?

5 A. That's what I believed, yes, sir.

6 Q. Were you aware of any such testing
7 going on prior to your retirement?

8 A. I don't believe I was. I don't
9 recall it.

10 Q. Would internal protocols in
11 Monsanto Chemical Company have caused the
12 quality control people to report to you the
13 presence of dibenzofurans in production lots
14 of PCB's?

15 A. Well, I don't believe there was
16 any formal reporting, but after that, you
17 show incidents in which dibenzofurans were
18 indicted as a causative agent of the problem.
19 I feel sure that if we were running, if we
20 were finding, if we were capable of finding
21 the material in the PCB's, they -- I would
22 probably hear about it, but I don't recall
23 any formal reporting system that would notify
24 me.

25 Q. Were you aware that in late 1973,

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 samples of Aroclor 1254 were found to have
2 tetra- and pentachlorodibenzofurans?

3 A. I may have, and I don't know, but
4 it does not strike me that I -- that it was
5 very prominent in my remembrance.

6 Q. Would the presence of tetra- and
7 pentachlorodibenzofurans have caused you any
8 concern regarding the toxicity of the
9 product?

10 A. Well, it's always concern, but
11 after all, we had tested the product with the
12 material in it. If it was in it, when you
13 test the whole product, you are giving the
14 toxicity for the whole product. The
15 manufacturing procedure stayed the same, so
16 one would assume that the levels of
17 benzofurans, if there were such that occurred
18 in 1970, would be the same as 1972, and if
19 you tested the 1970 material, the presence of
20 that dibenzofurans would not have altered my
21 thinking as to the fact that PCB's as an
22 industrial compound have a mild to moderate
23 toxicity.

24 Q. Would you expect production lots
25 to remain roughly consistent in what was

1 found?

2 A. I think, generally speaking, yes.

3 Q. Were you aware in late 1973, the
4 production lots of Aroclor 1254 were found to
5 contain chlorinated naphthalenes?

6 A. No, sir. I was not. I may have
7 been aware of it, but I don't recall it.

8 Q. Have you ever seen toxicity
9 studies done on chlorinated naphthalenes?

10 A. Well, sure. Drinker did some of
11 that along back in 1938.

12 Q. That's part of the Halawax
13 materials he was studying?

14 A. That's correct.

15 Q. Is it your understanding that your
16 product did or did not contain chlorinated
17 naphthalenes?

18 A. My understanding if Monsanto's
19 product did or did not?

20 Q. Yes.

21 A. I have no recollection of if it
22 did, but I would -- if it did, it would
23 probably be in the parts per million range.

24 Q. Do you consider chlorinated
25 naphthalenes to be more or less toxic than

1 PCB's?

2 A. I think they're considerably more
3 toxic.

4 Q. Were you aware that in late 1973,
5 production lots of Aroclor 1242 were found to
6 have trichloroterphenyls?

7 A. I may have been and I may not.

8 Q. Do you consider
9 trichloroterphenyls to be more or less toxic
10 than PCB's?

11 A. Probably they were somewhat more
12 toxic, but I think you probably are reading
13 some statement saying how much is in there.
14 We're talking in parts per million. They do
15 not add any appreciable addition to the
16 toxicity of straight Aroclor or straight PCB.

17 Q. Let me ask you this. If the
18 substance was found in the product, wouldn't
19 you want to know at what level it was found?

20 A. Oh, sure.

21 Q. Would you find the reporting of
22 unidentified halogenated compounds in your
23 product to be acceptable reporting?

24 A. Well, it depends on how much. I
25 mean, if you've got five parts per million,

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 that's one thing. If you've got a hundred
2 thousand parts per million, that's something
3 else.

4 Q. Let me show you a document that's
5 been marked as PRR 004370.

6 (Witness peruses said
7 document.)

8 MR. McLAUGHLIN: Is this marked as
9 an exhibit?

10 MR. COHEN: No, it's not.

11 MR. COX: I don't understand the
12 process of showing the witness an exhibit
13 that's not been marked.

14 MR. COHEN: It's a document that's
15 been well identified about a dozen times.

16 MR. COX: But it ought to be made
17 a part of the record.

18 MR. COHEN: Well, maybe I'll do
19 that, to keep you happy, Richard. I don't
20 want you to be unhappy.

21 MR. COX: Thank you.

22 THE WITNESS: Is there a request
23 for me to do something with me with this?

24 BY MR. COHEN:

25 Q. Just look at it. Have you had a

1 chance to look at it?

2 (Witness peruses said
3 document.)

4 A. Yes, sir.

5 Q. You are looking at more pages than
6 one, but do those reports give you very much
7 information?

8 A. No, sir, because it seems to me
9 that I don't know who did the report, and
10 I've no way of knowing even what company it
11 says, to identify this report, and it says,
12 "Aroclor 1242 induction date, 9/73. Samples
13 analyzed, 2. Sample size, 600 milligrams.
14 Found di-, tri- and tetrachlorodibenzofurans,
15 chlorinated naphthalenes, trichloroterphenyl
16 and unidentified halogenated compounds."

17 It doesn't say anything about the
18 amount present in there at all, so what did
19 you ask me about the report?

20 Q. Does it give you much information?

21 A. It doesn't give me any.

22 Q. Thank you. You said it said
23 "Induction date." I think it says
24 "Production date," if you'll look at it
25 again.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 A. Oh.

2 Q. It's not a great copy, I'll agree.

3 A. It's "Product date, 9/73."

4 MR. COHEN: Okay. Why don't we
5 mark --

6 THE WITNESS: Does it say when
7 they did it? I mean, I don't know if they
8 did that when I was there or not.

9 BY MR. COHEN:

10 Q. So you are saying you don't know
11 when the test was done without regard to the
12 production date?

13 A. Yes. In other words, they grabbed
14 a sample that was made in August '73, October
15 '73 -- September '73, but I don't know when
16 they ran the test.

17 MR. COHEN: Okay, we'll take this
18 six-page document previously marked as Kaley
19 4 and mark it Kelly 25.

20 (Kelly Deposition Exhibit 25
21 marked for identification.)

22 MR. COHEN: We'll make extra
23 copies of it.

24 BY MR. COHEN:

25 Q. Doctor, do you remember we talked

1 somewhat in the earlier session of your
2 deposition, not today, about the conditions
3 at Paoli?

4 A. I can't hear the last.

5 Q. Do you remember that we talked
6 somewhat earlier in your deposition about the
7 conditions at the Paoli rail yard and car
8 shop?

9 A. Yes, we talked about it, but I
10 don't remember saying much outside of the
11 fact that I had never seen it, I'd never been
12 to the Paoli rail shop.

13 Q. What information do you have about
14 the various modes of exposure that allegedly
15 occurred at Paoli?

16 A. Very little.

17 Q. Can you tell me what you do have?

18 A. Well, there was, working on
19 transformers that had PCB's in it. I don't
20 know what they did to them, so very little.

21 Q. What do you know about the claimed
22 medical problems that the Plaintiffs have as
23 a result of their exposure to PCB's?

24 MR. MALIN: Objection to the
25 question; asked and answered.

1 A. Nothing.

2 BY MR. COHEN:

3 Q. Do you have an opinion whether
4 PCB's or any other related compounds we've
5 been talking about, PCBF, PCBD, the various
6 chlorinated benzene compounds that are in
7 Pyranol, were in any way responsible for any
8 of those claimed conditions?

9 MR. MALIN: Objection. He doesn't
10 know what they are.

11 A. I mean, I don't know what the
12 claims are.

13 BY MR. COHEN:

14 Q. So you have no opinion today?

15 A. No, sir.

16 Q. Are you familiar with the term
17 "Superfund endangerment assessments"?

18 A. Just what I read in the paper.
19 I'm familiar with the term. I don't know
20 what it means.

21 MR. COHEN: Mark this as Kelly 26,
22 please.

23 (Kelly Deposition Exhibit 26
24 marked for identification.)

25 (Witness peruses said

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

document.

BY MR. COHEN:

Q. Have you ever seen that document before?

A. I think I have. I think I've seen it in one of these depositions.

MR. COX: Could we know what the document is?

THE WITNESS: The document doesn't have any date on it, don't have any heading, doesn't have any anything.

MR. COHEN: It was an interview -- who was this interview with, Michael?

MR. MALIN: I think, if I'm not mistaken, this is a letter that -- a response to a letter that Westinghouse wrote, and I think Bill Papageorge responded to it.

MR. COHEN: That's what I thought; the questions and answers were Papageorge's answers.

MR. MALIN: It was included in their response to our motion for summary judgment.

MR. COHEN: And then you attached the letter, the Westinghouse letter with it.

1 MR. MALIN: And there was a letter
2 that was attached to it.

3 MR. COX: Was it the -- what's
4 this, the question-and-answer document?

5 MR. MALIN: It's the addendum to
6 it; it's not the full document.

7 BY MR. COHEN:

8 Q. So you've seen it before in
9 depositions but you have never seen it prior
10 to that time?

11 A. No, sir. What was the date of
12 this, by any chance? Does anybody know?

13 MR. COHEN: I think Mr. Malin
14 knows, if he recalls.

15 MR. MALIN: I don't know the exact
16 date because I'd have to have that letter, so
17 I can't tell you off the top of my head the
18 exact date of it.

19 A. Well, I don't recall ever seeing
20 it while I was with Monsanto.

21 BY MR. COHEN:

22 Q. What was your relationship with
23 Mr. William Papageorge?

24 A. Well, we were working in the same
25 problem in different fields, it was very

1 close; he was in our office two or three
2 times a week. I saw him lots.

3 Q. What was the problem?

4 A. Hmm?

5 Q. What was the problem you were
6 working on?

7 A. Well, the effect of PCB's on the
8 environment.

9 Q. When you say the environment, does
10 that include humans?

11 A. Well, yes, it could.

12 Q. And what was Mr. Papageorge's
13 department?

14 A. Well, that depends on the time.
15 At 1970, he was made environmental chief of
16 the Monsanto Chemical Company, which is a
17 major subsidiary of the Monsanto Company, I
18 mean, not subsidiary where he -- a major
19 division of the Monsanto Company, and prior
20 to that, he was the plant manager at
21 Anniston, Alabama, where they made PCB's.

22 Q. During this time period when you
23 were dealing with this --

24 A. A little louder please.

25 Q. Sorry, sir.

1 During this time period when you
2 were dealing with this problem, as you
3 described it, of PCB's in the environment,
4 what was his title?

5 A. I think, Director of Environmental
6 something, I don't know what, for the
7 Monsanto Chemical Company, which is not the
8 whole Monsanto Company. It was probably a
9 fourth of the company or a third of the
10 company.

11 Q. What were the other companies?

12 A. Well, the Monsanto Agricultural
13 Company, the Monsanto Plastics Company,
14 the -- gosh, the Fisher Controls Company,
15 there were about four or five of them.

16 Q. What was his role at that time?
17 Do you recall?

18 A. Well, he was the chief
19 investigating it, and answering questions
20 about disposal, answering questions about
21 uses, or notifying the customers of what we
22 were going to do about the ceasing supplying
23 certain use, for certain uses. He ran the
24 whole environmental aspect of PCB's.

25 Q. Where did he get his information

1 on the toxicological properties of PCB's?

2 A. Well, I think, from information he
3 got from the Medical Department over the
4 years that he was plant manager at Anniston
5 where he -- where they manufactured PCB's, as
6 well, as I said, frequent, two- or
7 three-time-weekly visits to our department,
8 he and Wheeler and I were very close to each
9 other as far as passing information back and
10 forth.

11 Q. So the information he would have
12 had would, of necessity, through one group or
13 another, come from your department during
14 that time period?

15 A. Well, also, though, he had, he had
16 a lot of dealings with the electrical
17 industry, he had dealings with the plastics
18 industry, and he got information from them
19 also, I'm sure.

20 Q. He got outside information on the
21 toxicologic properties of PCB's?

22 A. Well, I would say if you mean
23 toxicological properties --

24 Q. Yes, sir.

25 A. -- due to animal experimentation,

1 he did not, but he got toxicological
2 information due to the lack of, due to the
3 lack of injury to their workers. I mean, he
4 would get their workers' experience
5 manufacturing, using these products.

6 Q. And would he pass the information
7 that he got from outside sources on to you?

8 A. Yes, if it were anything positive,
9 certainly.

10 Q. So in other words, negative
11 information he would not pass on to you?

12 A. Well, that's not necessarily so.
13 He may say, "I was at 'X' company. They
14 haven't had any problem in 25 years." He
15 might pass that on to me.

16 Q. Did you make any record of that
17 information when it was given to you?

18 A. I would doubt it.

19 Q. May I see the exhibit for a
20 moment, please?

21 (Witness complies)

22 Q. Do you see this statement that he
23 makes, here, where he says, "The potential
24 toxic effects in humans" -- if I may, since I
25 don't have an extra copy -- "The potential

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 toxic effects in humans from excessive
2 exposure to polychlorinated biphenyls include
3 injury to the liver and chloracne"?

4 A. Yes, sir, I see that.

5 Q. What would have been his source of
6 information for that statement, sir?

7 MR. MALIN: Objection.

8 Answer the question.

9 A. I do not know where he got the
10 information concerning chloracne unless he
11 got it from the Usho experience, which was a
12 nonindustrial use or a Japanese, just
13 Japanese PCB's manufactured by a different
14 process from Monsanto, containing different
15 contaminants than Monsanto had, different
16 levels. As far as ingesting to the level,
17 I'm sure he got that from us because we
18 talked to him about the two cases of acute
19 illnesses that we had and in heat transfer
20 units.

21 BY MR. COHEN:

22 Q. The sentence, last sentence,
23 "Although chloracne is difficult to evaluate
24 in animals, in humans this takes the form of
25 comedones, large blackheads with typical acne

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 pustules and may be an external symptom of
2 overexposure preceding serious -- the word
3 underlined -- "liver injury."

4 What serious liver injury was he
5 speaking of? Do you know?

6 MR. MALIN: Objection again.

7 Answer the question.

8 A. I do not know what he was speaking
9 about unless -- well, I just don't know
10 because the "serious" injury we were seeing
11 in the two different episodes I've described
12 over and over occurred so fast that chloracne
13 did not occur. You don't get chloracne in
14 two or three weeks after an exposure, and so
15 I do not know the basis of a statement, "May
16 be an external symptom of overexposure
17 preceding serious liver injury." I don't
18 know how he equates the term "preceding
19 serious injury." If he means you get
20 chloracne before you get anything else, I
21 think he's correct. That seems to be
22 accepted as a sort of the hallmark of PCB
23 overexposure.

24 BY MR. COHEN:

25 Q. Do you know what "serious" --

1 underlined -- "liver injury" he is speaking
2 of?

3 A. No, sir, I certainly do not.

4 Q. In response to question 3, the
5 question, as I understand it, reads, "Since
6 Inerteen affects birds and other animals, if
7 there is no real effect to human beings, how
8 do you explain it to employees in such a way
9 that they will understand why it can kill a
10 bird and not a human?"

11 The answer is, "There is a
12 potential real effect to humans -- including
13 death -- as discussed in the answer to
14 question 1."

15 Where do you suppose he would have
16 gotten that information?

17 MR. MALIN: Objection.

18 Answer the question.

19 A. There is a potential real effect
20 to humans, including death. If you inhale a
21 material in sufficient quantity, you could
22 get chemical hepatitis, and if you keep on
23 doing it or get enough in the one or two
24 doses, you could die from it, yes. The cases
25 we have talked about, the ones that have been

1 reported to me and I repeatedly mentioned,
2 are two episodes where people developed
3 jaundice and acute chemical hepatitis from
4 breathing material in a jury-rigged heat
5 transfer unit at elevated temperatures.
6 That's where the -- now, conceivably, if
7 those people had stayed on, instead of
8 getting out after they developed their
9 jaundice, if they stayed on for another
10 couple of weeks, they conceivably could have
11 died from it, yes.

12 BY MR. COHEN:

13 Q. And you have already stated
14 earlier that the mode of ingestion, that is,
15 inhalation, as compared to dermal absorption
16 or ingestion, is not significant.

17 A. No, I didn't say that.

18 Q. What did you say?

19 A. I said that I believed the effects
20 on the human body were the same whether you
21 took it orally, whether you had it absorbed
22 through the skin, or by inhalation, but I did
23 say, I believe I said that you can get a
24 significant amount by inhalation, and if I
25 didn't say it could occur faster, I'll say it

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 now.

2 Q. So in other words, you could take
3 more up by inhalation than the other means?

4 A. That's correct, and I think it
5 would act more rapidly.

6 Q. Do you agree or disagree that
7 there is a possibility of serious human
8 injury, including death, from an absorption
9 other than through inhalation?

10 A. Well --

11 MR. MALIN: Objection. You said
12 in sufficient amounts.

13 A. You said in sufficient amount.
14 You mean -- certainly, if a person wanted to
15 commit suicide and drank a quart of the
16 stuff, conceivably, a quart would be enough
17 to kill you. I don't know.

18 BY MR. COHEN:

19 Q. How about through dermal
20 absorption?

21 A. Well, I can't figure out that
22 particular scenario because I've seen places
23 where people have immersed their hands
24 repeatedly and developed only chloracne, and
25 that we advise strongly against repeated or

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 continuous skin contact.

2 Q. Do you know when you first started
3 giving that advice?

4 A. Sometime, I don't know whether it
5 was before or after World War II. I don't
6 know, but a long, long time.

7 Q. Through what vehicle were you
8 giving out that advice?

9 A. On labels, on bulletins, and any
10 letters to customer inquiries.

11 Q. Was it something that was
12 prominently on the barrels of the products?

13 A. Well, yes, it was, seemed to me to
14 be pretty prominent.

15 Q. Do you recall seeing labels that
16 said --

17 A. Oh, yes.

18 Q. -- "Don't let this contact your
19 skin"?

20 A. Sure, I've seen it.

21 Q. Did the label describe the risk of
22 harm?

23 A. No, it just described how to avoid
24 all harm.

25 Q. What did it say?

1 A. It said "Caution," may have said
2 at some time, they put on, "Contains
3 chlorinated hydrocarbon. Do not breathe in
4 confined spaces or at elevated temperatures.
5 Do not --" and "Avoid repeated or continuous
6 skin contact."

7 Q. And that was labels that was on
8 the package, itself?

9 A. Yes.

10 Q. On the barrel?

11 A. Mm-hmm.

12 Q. But it didn't describe what the
13 consequence was of that exposure?

14 A. You mean, "Do not get this on your
15 hands continuously or on your body or you
16 will get liver trouble"?

17 Q. Or anything to that effect.

18 A. No, I don't think that's usual on
19 labels at the gasoline station. They say "Do
20 not smoke around here." They do not say, "Do
21 not smoke while filling the gas tank or it'll
22 blow up." I think you'd tell them what not
23 to do to protect themselves. That's what's
24 liable to happen.

25 (Recess)

1 BY MR. COHEN:

2 Q. Doctor, you remember earlier I
3 asked you whether there was agreement on the
4 applicability of animal data to understanding
5 diseases in humans, and you said that there
6 are some who are in full support and there
7 are others who are naysayers or nihilists who
8 say no, and you fell somewhere in between,
9 and it depended on the compound, that sort of
10 thing?

11 A. Yes, sir.

12 Q. Let's go back and ask the same
13 question on the applicability of human
14 epidemiological data.

15 MR. MALIN: Objection. I don't
16 quite understand what that question is.
17 Could we have the full question on this one?

18 MR. COHEN: Sure.

19 BY MR. COHEN:

20 Q. With respect to human
21 epidemiological data, sir, would you agree
22 that all scientists accept the validity of
23 human epidemiological data as a predictor of
24 ailments in human beings?

25 MR. MALIN: I'll object to that

1 question.

2 A. As a predictor of what?

3 BY MR. COHEN:

4 Q. Ailments in human beings.

5 A. Animals?

6 MR. COHEN: Ailments.

7 A. Oh, ailments. I'll object to the
8 form of that question.

9 Go ahead; answer the question if
10 you can.

11 A. Well, there is, certainly,
12 divergence of opinion. In fact, I don't know
13 of any epidemiologist that believes the
14 perfect epidemiological study has been made.
15 They all will say there are some flaws in it,
16 but I think if you go by the concurrence of
17 opinion, you could come to a fairly
18 reasonable scientific conclusion.

19 BY MR. COHEN:

20 Q. Are you saying from looking at a
21 number of epidemiological studies on the same
22 subject matter, you could come to a
23 conclusion with respect to the subject of
24 those studies?

25 A. I think so.

1 Q. Are you aware of scientists who
2 reject epidemiological studies?

3 A. You mean all epidemiological
4 studies or --

5 Q. Generally reject the notion that
6 epidemiological studies are particularly
7 probative of a cause-and-effect relationship
8 in humans.

9 A. I don't think so. I don't know of
10 any. There may be.

11 Q. Just if I can clarify one point,
12 on the issue of epidemiological studies, you
13 cited the four factors, and one of them is
14 repeatability, as I understand it.

15 A. Yes, sir.

16 Q. Do I understand that you are
17 saying that repeatability means that using a
18 different sample population, you can repeat
19 the results obtained in a particular sample
20 population?

21 A. Yes, sir. If I may phrase what
22 you are saying, an epidemiological study in
23 one group of individuals in the, doing the
24 same general work as another group, come out
25 with the same answer.

1 Q. And that's what you mean by
2 repeatability?

3 A. Yes, sir.

4 Q. And again, earlier today, we were
5 discussing a meeting that you apparently had
6 with Dr. Renate Kimbrough, and I think you
7 said that she had come here to St. Louis. I
8 believe you had stated that you thought it
9 was about the time that you had retired. Is
10 that right?

11 A. Well, before I retired, yes. I
12 had not retired. I was still working for
13 Monsanto, but I do not believe -- I do not
14 recall whether it was at the first part of
15 '74 or the last part of '73.

16 Q. Was that a meeting with Dr.
17 Kimbrough individually, or was it as part of
18 her membership with an agency?

19 A. Oh, I'm sure she came as a
20 government, member of a government agency,
21 and to the best of my impression, she had
22 somebody else along. I thought there were
23 two "feds" there. I don't --

24 Q. Two? You recall two people?

25 A. I believe there were two, yes.

1 Q. Let me ask you to look at this
2 document.

3 MR. COHEN: Let's mark it, just
4 one moment, sir. We'll mark it as Kelly 27.

5 (Kelly Deposition Exhibit 27
6 Marked for identification.)
7 marketed marked.

8 (Witness peruses said
9 document.)

10 (Discussion off the record)

11 BY MR. COHEN:

12 Q. Dr. Kelly, 27, could you identify
13 that?

14 A. This is Exhibit 27, a meeting with
15 NIOSH November the 22nd, 1974 -- and also for
16 your information, I retired eight days later,
17 November the 30th -- re: PCB's. For NIOSH,
18 there were one two three four five, five
19 government people besides Kimbrough. For
20 Monsanto, there were three people from our
21 department: Kelly, Wheeler, and Levinskas,
22 our toxicologist, Papageorge, Director of
23 Manufacturing -- Manager of Manufacturing,
24 and had a Manager of Environmental Affairs,
25 G. F. Fort, who probably -- I don't know who

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 he was. That's a new name for me. He
2 probably reported to Papageorge, but I don't
3 know. Papageorge was there. Papageorge had
4 a different title, too, Product Acceptability
5 Specialist at Process Chemicals Division. I
6 don't know what all that title meant.

7 Q. Does this memo refresh your
8 recollection at all about what -- who was at
9 the meeting, at least?

10 A. Oh, yes. I mean --

11 Q. Do you remember this whole gang of
12 people there?

13 A. I didn't remember there were that
14 many. I thought somebody came along with
15 Kimbrough.

16 But obviously, there were.

17 Q. Is this the meeting at which you
18 discussed the results of her studies?

19 A. Yes, sir. I do not know if that
20 was the only thing. I mean, at some time
21 around that time -- now remember, this is
22 right when I'm getting ready to leave, so I
23 wasn't paying too much attention to what's
24 going to happen two weeks from then. NIOSH
25 was somewhat interested in doing a, an

1 epidemiological survey of our workers. I
2 think they were talking about that before.
3 Whether this was a follow-up to that or not,
4 they at one time sent people around when they
5 looked the thing over and said, "Well, you
6 don't have enough workers to do anything on
7 it," so they didn't do it. So I don't know
8 if this was directed solely to, to
9 Kimbrough's work and our work; I don't know,
10 but I don't see any, that biometric branch
11 person was probably an epidemiological, and
12 then there's another epidemiologist in Dr.
13 Heath from the CDC, so I don't know what we
14 discussed. I'm pretty vague on it.

15 Q. Do you know if any of these people
16 are still with Monsanto Company? Bratsch,
17 Savage, Engman or Fort?

18 A. I don't know.

19 Q. How about Levinskis?

20 A. He retires in a week.

21 Q. He's still there now?

22 A. Yes. Right now, he's still there.

23 Q. Did you ever did talk to Dr.

24 Kimbrough again after this meeting?

25 A. Beg pardon?

1 Q. Have you ever spoken to Dr.
2 Kimbrough again after this meeting?

3 A. I don't think so, no, because as I
4 said, I went out with the company eight days
5 later.

6 Q. And that was it?

7 A. That was it.

8 MR. COHEN: John, you want to ask
9 a few questions?

10 (No response)

11 MR. COHEN: Just a couple of more.
12 Let's mark this as 28.

13 (Kelly Deposition Exhibit 28
14 marked for identification.)

15 BY MR. COHEN:

16 Q. Can you identify the document, 28?

17 A. This is a document, Deposition
18 Exhibit Kelly 28, from Elmer Wheeler, dated
19 March the 7th, 1969, to me concerning two
20 things: One, about Aroclors, and the other,
21 about incinerating plastic wastes.

22 Q. Referring to the section regarding
23 the Aroclors, what's the first sentence of
24 that letter?

25 A. "The Aroclor pot is really

1 boiling."

2 Q. Do you know what that means?

3 A. I guess there was an awful lot of
4 publicity about the findings from the West
5 Coast.

6 Q. And that was what; the presence of
7 PCB's in various forms of wildlife?

8 A. And the problem with the thin
9 eggshells in avian species.

10 Q. Do you agree that PCB's can cause
11 those effects on wildlife?

12 A. They can cause thinning of the
13 eggshells, yes, they can.

14 Q. May I see that exhibit?

15 (Witness complies)

16 Q. The reference in here to Calandra,
17 that's IBT?

18 A. That's correct.

19 Q. Do you remember at the last
20 session of your deposition we talked about
21 two individuals who were apparently
22 epidemiologists who had done studies on the
23 effects of PCB's in employees at the
24 Krummrich plant?

25 A. Yes, sir.

1 Q. Sauget, Illinois?

2 A. I don't know if they were both
3 employees. Two people did -- you say two
4 employees did it?

5 Q. Yes. Weren't they --

6 A. I don't know if that Munch worked
7 for Monsanto or not. Zach did.

8 Q. Zach did?

9 A. Yes, the other one may. I don't
10 know. That was after I left.

11 Q. This all occurred after you left?

12 A. Yes.

13 Q. And do you know the individual
14 named Suskind?

15 A. Oh, yes, very well.

16 Q. Are you aware that there has been
17 an allegation made recently by the
18 Environmental Protection Agency against those
19 same individuals regarding dioxin studies on
20 employees at the -- at a Monsanto plant?

21 A. I don't know if it was at the same
22 employees. I know anecdotally that somebody
23 said that they had some thoughts about
24 Suskind's epidemiological studies, but I
25 thought that was at our Nitro, West Virginia,

1 plant, not doing -- having nothing to do with
2 PCB's. I thought it was 2,4,5T.

3 Q. 2,4,5T?

4 A. That's what I thought I could be
5 wrong on that, but --

6 Q. What is 2,4,5T?

7 A. 2-dichlorodiphenoxene, trichlor --
8 I thought you want the chemical. It's a
9 herbicide.

10 Q. What is it?

11 A. It's a herbicide.

12 Q. Are you aware of any such
13 allegations regarding his exposure studies
14 with respect to dioxins?

15 A. Where? You mean --

16 Q. Against the same scientists,
17 regarding exposure studies on Monsanto
18 employees to the substance dioxin.

19 A. Well, I thought that they believed
20 that there was dioxin in the 2,4,5T, if this
21 is the one they're talking about. I don't
22 recall Suskind ever doing a PCB study.

23 MR. COHEN: Okay. John?
24
25

EXAMINATION

BY MR. INNELLI:

Q. Dr. Kelly, my name is John Inelli.

I also --

A. John who?

Q. Inelli.

A. Yes, sir.

Q. I'm also here on behalf of the Plaintiffs, and I have a few questions to ask you.

A. I'll ask you to speak a little louder, please.

Q. Yes, I will. I'm sorry.

I note on Kelly 27 that it identifies Elmer P. Wheeler as Director, Environmental Health in the Medical Department. Do you know when he became Director of Environmental Health?

A. No. When's the date of that memorandum?

Q. This is the November 22nd, 1974, memorandum.

A. Oh, this, well, obviously, it was sometime before I left. Monsanto was always changing titles. I mean, first they would

1 say, "You can't have two directors of one
2 department, you can't have a Medical Director
3 and a Director of Environment. That man has
4 to be a manager." Now there's a little less,
5 a manager has less status than a director,
6 even though they get the same money, but then
7 they changed their mind and let people go
8 back to being called directors under a
9 director.

10 Q. You were the Director of the
11 Medical Department?

12 A. That's correct.

13 Q. Did there come a time when an
14 Environmental Health Group within the Medical
15 Department was created?

16 A. Well, there was a time when, to
17 use an Army phrase, in addition to their
18 other duties, members of the Industrial
19 Hygiene Department became an Environmental
20 Group.

21 Q. And what were the responsibilities
22 of the Environmental Group?

23 A. They were to see and report to the
24 Executive Committee if there were any
25 environmental problems in the plants that

1 needed correction, they were to speak as one
2 voice on matters affecting various
3 manufacturing installations. If we had, in
4 Alabama, we may have a textile plant, an
5 organic chemicals plant, and probably another
6 plant belonging to a different division, and
7 rather than three people going down
8 testifying for or against a particular bill,
9 the Environmental Group would go down, and --
10 since they all spoke for the same voice from
11 a company viewpoint.

12 They also had the charge by the
13 Executive Committee that they would look over
14 any appropriations for major changes or new
15 plants, to be sure that the effluent in the
16 flow chart, whether it be air, or solid, or
17 water, was correct; instead of putting an
18 arrow to sewer, it would, the outflow would
19 have to be directed to a suitable method of
20 disposal, or reclamation or treatment.

21 Q. When you say Executive Committee,
22 to what are you referring?

23 A. The group of five people that ran
24 the company.

25 Q. How did the Environmental Health

1 Group's responsibilities differ from the
2 Industrial Hygiene?

3 A. Oh, a great deal, because they
4 dealt with company-wide matters. Industrial
5 hygiene was really a plant matter; you know,
6 they acted in the same fashion the safety
7 inspector did at a plant. They worried about
8 the atmosphere in the individual plant, but
9 the Environmental Group dealt with
10 company-wide problems, although they were
11 consulted by the individual plants as to what
12 was the current thinking as far as disposal
13 was concerned.

14 Q. Do you know who Jack Garrett is?

15 A. Yes. He was a member of the
16 Industrial Hygiene Department.

17 Q. And to whom did he report?

18 A. Wheeler.

19 Q. And Mr. Wheeler reported to you?

20 A. That's correct.

21 Q. Do you know an L. A. Watt?

22 A. I knew him 45 years ago, or -- he
23 was a member of the Technical Service
24 Department when Monsanto had a population, a
25 an employee population of 7,000 people.

1 Q. And what was the responsibility of
2 the Technical Services Department?

3 A. Well, at that time, it was
4 called -- well, he was there before I came
5 there, and his background was that of a
6 pharmaceutical chemist, I believe, and he had
7 handled some of the problems for the Organic
8 Division before I showed up.

9 Q. When you showed up, where was he
10 relative to you in the reporting lines for
11 the company?

12 A. No connection at all. I reported
13 to the plant manager at the Plant A, which
14 was subsequently called the Queeny plant, and
15 Watt reported -- I was just the plain company
16 physician there, and Watt was in the general
17 office in the Organics Division where he
18 reported. I don't know if he reported to
19 Marketing or what, but the Technical Service
20 Group was a group that helped Sales by --
21 helped the Marketing Group by giving them
22 technical advice as to how to use Monsanto's
23 products or try to introduce new products to
24 the customer.

25 Q. Would Mr. Watt ever discuss with

1 you the kind of language that should be used
2 in any materials that might be prepared for
3 distribution to users of Monsanto products?

4 A. Yes, after I was there for a year
5 or so, I mean, we got -- I got called over to
6 the general office because I was the only
7 Monsanto doctor around, and even though I was
8 a part-time doctor who was being paid by the
9 Queeny plant, so yes, we talked about various
10 things; about what we needed, what we needed
11 to know as far as toxicity is concerned, what
12 warning label should be put on, what should
13 be put in bulletins and things of that sort
14 that have medical connotations.

15 Q. Am I correct in understanding,
16 then, when you first started with Monsanto,
17 you were a part-time physician?

18 A. Yes, I was part-time till after
19 the service, after I became full-time in '46.

20 MR. INNELLI: Let's mark this as
21 Kelly 29.

22 (Kelly Deposition Exhibit 29
23 marked for identification.)

24 (Witness peruses said
25 document.)

1 BY MR. INNELLI:

2 Q. Dr. Kelly, for the record, could
3 you identify this document?

4 A. This is a document dated October
5 the 11th, 1937, Kelly Exhibit 29, by
6 L. A. Watt. It's a memorandum of -- doesn't
7 show who it went to, but it discusses a
8 publication in bulletins or in correspondence
9 concerning Aroclors, the toxic effect and how
10 to avoid it.

11 Q. Do you recall any conversation
12 with Mr. Watt regarding the subject?

13 A. I don't recall this one
14 specifically, but I know I -- he was probably
15 one of the -- my closest contact in the
16 general office, and in fact, when I went into
17 the service, I gave him Medical Department
18 files on the various products. We were
19 relatively unsophisticated back in '38, '39,
20 '40. Yes, I, I'm sure I talked to him about
21 Aroclors.

22 Q. Why would he come to you to
23 discuss Aroclors?

24 A. Beg your pardon?

25 Q. Why would he come to you to

1 discuss Aroclors during that time period?

2 MR. MALIN: Objection.

3 Answer the question if you think
4 you understand it.

5 A. Well, I think he wanted to get a
6 physician's input, and at that particular
7 time, I was the contact the company had with
8 Drinker who did the work, the early work on
9 the PCB's. He did it in 1937 and '38, I
10 believe, and I was up at that meeting, so he
11 came to me as probably the most knowledgeable
12 person as far as the toxicological aspect of
13 Aroclors would be concerned.

14 BY MR. INNELLI:

15 Q. Now, did Mr. Watt, when he spoke
16 with you, share any inquiries that had been
17 made by users of Monsanto products?

18 A. Not that I recall. Once the
19 Aroclor problem surfaced at Drinker, I
20 received a lot of people's files that were
21 happy to unload them on me.

22 Q. And when was that?

23 A. '37, '38.

24 Q. During the '37, '38 time frame,
25 did Monsanto have a Medical Director?

1 A. No sir, they did not.

2 Q. Do you know a Jane -- a Jay
3 Springgate?

4 A. Yes. He was, worked for the -- I
5 don't know whether it's called the Organic
6 Division at that time or the Monsanto
7 Chemicals Company, but he was originally, I
8 thought, a plant manager at one of their
9 smaller plants, and then he came up to the
10 general office as a, I don't know, a product
11 manager or something of some group, I don't
12 know which one.

13 Q. And what about T. Ford?

14 A. T. Ford? I vaguely remember the
15 name. I don't know anything else about him.

16 Q. P. S. Park? Do you know an
17 individual by that name?

18 A. Yes. He was a lawyer who is not
19 with the company anymore, I don't know where
20 he is, but he sort of gravitated into the
21 environmental law aspect. What's the date of
22 that memorandum?

23 Q. 1969.

24 A. '69?

25 Q. Right.

1 A. I think he was doing environmental
2 aspect of law at that time.

3 Q. Do you know whether Park's first
4 name?

5 A. Phocion, P-h-o-c-i-o-n.

6 Q. And how old a gentleman was he?

7 A. Younger than I. I would gather
8 he's in his sixties, I suppose.

9 Q. Do you know where he is today?

10 A. I thought he was in the private
11 practice of law someplace around. I don't --
12 I thought in St. Louis. I don't know. As I
13 say, I have not seen him or talked to him
14 since 1974.

15 Q. Did Monsanto have a task force
16 with any of the companies for whom it
17 produced PCB's?

18 MR. MALIN: Objection to the form
19 of the question. I don't understand what
20 that means.

21 If you think you understand that
22 question, Doctor, go ahead.

23 A. I'm not so sure I understand. I
24 thought a task force would have to have a
25 particular objective, and I do know that

1 Monsanto cooperated with members of the
2 electrical industry. I know there was one
3 bulletin written by Monsanto people in
4 conjunction with people from GE and
5 Westinghouse on the generic term of Askaral,
6 which is the generic term for transformer
7 dielectric fluids, just as Aroclor was
8 Monsanto's copyright name, and Pyranol was
9 GE, and Inerteen was Westinghouse, and
10 somebody else something else, Askaral was the
11 generic term for all electric, dielectric
12 fluids.

13 Q. And during what time period did
14 Monsanto work with General Electric and
15 Westinghouse on, report on Askaral?

16 A. I don't know. I mean, I'd been
17 shown a bulletin at some of these
18 depositions; I don't know if there was a date
19 on it, but I don't know. I never worked with
20 the group.

21 Q. Was there a group inside of
22 Monsanto that dealt with the PCB issues?

23 A. Well, when the environmental
24 aspect became prominent, Bill Papageorge was
25 the point man on it, and he had input from

1 Research, Medical and Legal, and I guess you
2 could call it a task force.

3 Q. Did you participate?

4 A. No, Wheeler did. I mean, I
5 participated if they came and asked me about
6 something, but Wheeler was the Medical
7 Department person on it.

8 Q. Did representatives of the Medical
9 Department or the Legal Department and Mr.
10 Papageorge meet on a regular basis, to your
11 knowledge?

12 A. I can't answer that. Will
13 Papageorge be here tomorrow?

14 MR. MALIN: Yes.

15 BY MR. INELLI:

16 Q. Dr. Kelly, do you know a Mr.
17 Dietrich?

18 A. Dietrich? How do you spell that?
19 No, I don't know.

20 Q. D-i-e-t-r-i-c-h.

21 A. It does not ring a bell with me.

22 Q. How about a Mr. Emery? E-m-e-r-y.

23 A. I have a vague recollection of
24 somebody whose name is close to Emery who was
25 a chemist or something at Anniston, but I

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 don't know much more about it. What date is
2 that one?

3 Q. Also the 1969 time period.

4 A. I can't hear you.

5 Q. Also the 1969 time period.

6 A. But I really can't be sure of
7 Emery, or Dietrich, either.

8 Q. Mr. J. R. Eck, E-c-k?

9 A. Yes. He originally was a plant
10 manager at the Trenton, Michigan, plant,
11 which just made detergents; nothing to do
12 with PCB's, but he eventually became Director
13 of Manufacturing and a vice-president of the
14 company. He retired from the company, I
15 guess, pretty close to the time I retired,
16 although he was younger, and is living
17 someplace in Florida. I have not spoken to
18 him since 1974.

19 Q. How about Mr. John Mason?

20 A. John who?

21 Q. Mason, M-a-s-o-n.

22 A. Yes, he was a man originally from
23 our Great Britain subsidiary who came to
24 work, I think, as a manufacturer and director
25 of the chemical company or the industrial

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 chemical company, whatever they called it in
2 those days.

3 Q. And Mr. Cumming Paton,
4 C-u-m-m-i-n-g, Paton, P-a-t-o-n?

5 A. I remember the name, but I forget
6 what he did. I thought he was in marketing.

7 MR. INNELLI: Okay, why don't we
8 take a five-minute break. I think we're
9 pretty close to being done.

10 (Recess)

11 MR. COHEN: That's all we have for
12 today.

13 MR. INNELLI: Dr. Kelly, thanks.
14 I have no further questions.

15 Anybody else have any questions?

16 MR. McMANUS: Dr. Kelly, I don't
17 have any questions for you.

18 MR. COX: No questions.

19 MR. McLAUGHLIN: No questions.

20 MS. GROSS: No questions.

21 MS. COONELLY: No questions.

22 MR. MALIN: No questions.

23 MR. COHEN: Do you have any
24 questions, Doctor?

25 (Whereupon, at 4:00 p.m., the

deposition was concluded.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

COMES NOW THE WITNESS, EMMET
KELLY, and having read the foregoing
transcript of the deposition taken on the
16th day of July, 1991, acknowledges by
signature hereto that it is a true and
accurate transcript of the testimony given on
the date hereinabove mentioned.

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

EMMET KELLY

Subscribed and sworn to before me
this _____ day of _____, 1991.

My Commission expires: _____

Notary Public

STATE OF MISSOURI)

SS:)

CITY OF ST. LOUIS)

I J. Bryan Jordan, notary public
in and for the State of Missouri, duly
commissioned, qualified and authorized to
administer oaths and to certify depositions,
do hereby certify that pursuant to agreement
in the civil cause now pending and
undetermined in the Court of Common Pleas of
Philadelphia County, State of Pennsylvania,

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI

1 and in the United States District Court for
2 the Eastern District of Pennsylvania, to be
3 used in the trial of said cause in said
4 court, I was attended at the offices of Brown
5 & James, in the City of St. Louis, State of
6 Missouri, by the aforesaid witness and by the
7 aforesaid attorneys, on the 16th day of July,
8 1991.

9 The said witness, being of sound
10 mind and being by me first carefully examined
11 and duly cautioned and sworn to testify the
12 truth, the whole truth, and nothing but the
13 truth in the case aforesaid, thereupon
14 testified as is shown in the foregoing
15 transcript, said testimony being by me
16 reported in shorthand and caused to be
17 transcribed into typewriting, and that the
18 foregoing pages correctly set forth the
19 testimony of the aforementioned witness,
20 together with the questions propounded by
21 counsel and remarks and objections thereto,
22 and is in all respects a full, true, correct
23 and complete transcript of the questions
24 propounded to and the answers given by said
25 witness; that signature of the deponent was

GORE REPORTING COMPANY - ST. LOUIS, MISSOURI


1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

not waived by agreement of counsel.

I further certify that I am not of
counsel or attorney for either of the parties
to said suit, not related to nor interested
in any of the parties or their attorneys.

Witness my hand and notarial seal
at St. Louis, Missouri, this 21st day of
August, 1991.

My commission expires July 20,
1994.



J. Bryan Jordan

Notary Public in and for the
State of Missouri