

IN THE UNITED STATES DISTRICT COURT
FOR THE EASTERN DISTRICT OF TEXAS
MARSHALL DIVISION

CHARLES WILSON and)
LAURA WILSON)
Plaintiffs,)
VS.) Case No. 2:06:CV-286
RYCOLINE PRODUCTS,)
INC.; et al.,)
Defendants.)

ORAL DEPOSITION OF
DR. ETHAN NATELSON
SEPTEMBER 17, 2007

ORAL DEPOSITION of DR. ETHAN NATELSON, produced as
a witness at the instance of the Plaintiffs, and duly
sworn, was taken in the above-styled and numbered cause
on SEPTEMBER 17, 2007, from 2:16 p.m. to 5:07 p.m.,
before Denyce M. Sanders, CSR, RPR, in and for the
State of Texas, recorded by machine shorthand, at the
offices of THOMPSON & KNIGHT, 333 Clay Street, Suite
3300, Houston, Texas, pursuant to the Federal Rules of
Civil Procedure and the provisions stated on the record
or attached hereto; that the deposition shall be read
and signed before any notary public.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

SCHMIDT & CLARK

2911 Turtle Creek Boulevard, Suite 1400

Dallas, Texas 75219

Mr. Keith Patton

FOR THE DEFENDANT ASHLAND, INC.:

THOMPSON & KNIGHT

333 Clay Street, Suite 3300

Houston, Texas 77002

Mr. Kevin Parks

1 EXAMINATION INDEX

2

3 WITNESS: DR. ETHAN NATELSON

4 EXAMINATION PAGE

5 BY MR. PATTON 6

BY MR. PARKS 103

6

7 FURTHER EXAMINATION

8 BY MR. PATTON 108

9

SIGNATURE REQUESTED 111

10

11 REPORTER'S CERTIFICATION 113

12

13 EXHIBIT INDEX

14 PAGE

15 NATELSON EXHIBIT NO. 1

16 NATELSON EXHIBIT NO. 2 57

17 NATELSON EXHIBIT NO. 3 58

18 NATELSON EXHIBIT NO. 4 59

19 NATELSON EXHIBIT NO. 5 60

20 NATELSON EXHIBIT NO. 6 60

21 NATELSON EXHIBIT NO. 7 61

22 NATELSON EXHIBIT NO. 8 62

23 NATELSON EXHIBIT NO. 9 63

24 NATELSON EXHIBIT NO. 10 63

25 NATELSON EXHIBIT NO. 11 64

1	NATELSON EXHIBIT NO. 12	65
2	NATELSON EXHIBIT NO. 13	65
3	NATELSON EXHIBIT NO. 14	65
4	NATELSON EXHIBIT NO. 15	66
5	NATELSON EXHIBIT NO. 16	66
6	NATELSON EXHIBIT NO. 17	67
7	NATELSON EXHIBIT NO. 18	67
8	NATELSON EXHIBIT NO. 19	67
9	NATELSON EXHIBIT NO. 20	68
10	NATELSON EXHIBIT NO. 21	68
11	NATELSON EXHIBIT NO. 22	69
12	NATELSON EXHIBIT NO. 23	69
13	NATELSON EXHIBIT NO. 24	69
14	NATELSON EXHIBIT NO. 25	69
15	NATELSON EXHIBIT NO. 26	69
16	NATELSON EXHIBIT NO. 27	69
17	NATELSON EXHIBIT NO. 28	73
18	NATELSON EXHIBIT NO. 29	74
19	NATELSON EXHIBIT NO. 30	74
20	NATELSON EXHIBIT NO. 31	74
21	NATELSON EXHIBIT NO. 32	76
22	NATELSON EXHIBIT NO. 33	77
23	NATELSON EXHIBIT NO. 34	80
24	NATELSON EXHIBIT NO. 35	80
25	NATELSON EXHIBIT NO. 36	81

1	NATELSON EXHIBIT NO. 37	
2	NATELSON EXHIBIT NO. 38	
3	NATELSON EXHIBIT NO. 39	
4	NATELSON EXHIBIT NO. 40	
5	NATELSON EXHIBIT NO. 41	
6	NATELSON EXHIBIT NO. 42	93
7	NATELSON EXHIBIT NO. 43	93
8	NATELSON EXHIBIT NO. 44	93
9	NATELSON EXHIBIT NO. 45	94
10	NATELSON EXHIBIT NO. 46	94
11	NATELSON EXHIBIT NO. 47	95
12	NATELSON EXHIBIT NO. 48	95
13	NATELSON EXHIBIT NO. 49	95
14	NATELSON EXHIBIT NO. 50	
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

1 DR. ETHAN NATELSON,
2 having been first duly sworn, testified as follows:

3 E X A M I N A T I O N

4 BY MR. PATTON:

5 Q. Please introduce yourself for the record.

6 A. My name is Ethan A. Natelson.

7 Q. You are a doctor, correct?

8 A. Correct.

9 Q. Tell us what kind of doctor you are.

10 MR. PARKS: Before we get into that,
11 we'll agree that the deposition is pursuant to the
12 Federal Rules and the witness will read and sign the
13 transcript?

14 MR. PATTON: Agreed.

15 Q. (BY MR. PATTON) Please introduce yourself.

16 A. I'm -- as I said, my name is Ethan A.
17 Natelson. I'm a hematologist.

18 Q. And how long have you been a hematologist?

19 A. I did a fellowship in 1969; and since that
20 time, I've more exclusively seen hematology-type
21 patients.

22 Q. As we sit here today, do you have a clinical
23 practice where you see hematology patients on a daily
24 and weekly basis?

25 A. Yes.

1 Q. And where is your practice at?

2 A. At the Methodist Hospital. The address is
3 6550 Fannin Street.

4 Q. You are a hired expert in this case, correct?

5 A. Yes.

6 Q. Hired by Ashland, correct?

7 A. Indirectly. Hired by Ricky Raven, but Ashland
8 is the company of record.

9 Q. Okay. How much are you being paid for your
10 time on the case?

11 A. I normally charge \$250 an hour to review
12 records and \$400 an hour for deposition testimony.

13 Q. And as we sit here today, we marked some
14 exhibits before we started; and Exhibit 50 appears to
15 be your invoicing and billing records, correct?

16 A. Yes.

17 Q. How much time did you spend on this case prior
18 to writing your report?

19 A. I can't tell you that exactly. It's not noted
20 on here, but the total hours are about 15 hours that
21 are listed here; and probably between 10 and 15 hours,
22 I would say, when the report was actually written.

23 Q. Before September 8, appears you've had ten
24 hours total in the case?

25 A. Yes.

1 Q. And since September 8, you've spent about five
2 hours before coming here for your deposition?

3 A. Yes.

4 Q. And that includes four hours to prepare for
5 your deposition?

6 A. Yes.

7 Q. Have you been asked to testify at trial in
8 this case?

9 A. I don't recall that. But that's, essentially,
10 a given. In other words, if I agree to be involved in
11 a case, I typically agree to testify at trial.

12 Q. Do you know when this case is set for trial?

13 A. No.

14 Q. You have served as an expert witness in
15 benzene cases before, correct?

16 A. Yes.

17 Q. Have you ever testified in any cases involving
18 MDS or myelodysplastic syndrome?

19 A. I have to look at the list to be certain about
20 that.

21 Q. Okay. We can go over that a little bit later.
22 Before we go on to it more later, have you ever
23 testified on behalf of a plaintiff in a chemical
24 exposure case?

25 A. No.

1 Q. Have you ever given the opinion in any
2 litigation that benzene caused a person's given
3 disease, whatever that disease may be?

4 A. No.

5 Q. Is it your opinion in this case that Charles
6 Wilson's MDS is not related to any benzene exposure?

7 A. He has a form -- he has an illness that can be
8 classified as a form of MDS. I don't believe that
9 particular illness can be caused by benzene.

10 Q. Just so I have your opinions clear. It's your
11 opinion in this case, that Charles Wilson's MDS/RARS is
12 not related to any exposure to benzene?

13 A. Yes. That's correct.

14 Q. Stated another way, you will testify at trial
15 in this case that benzene exposure played absolutely
16 zero causative factor in Charles Wilson's MDS or RARS,
17 correct?

18 A. There's no "or." He has one illness, which is
19 RARS; and I don't believe that benzene is related to
20 that illness.

21 Q. Okay. My question is a little more specific.
22 Do you believe that benzene in any way caused or
23 contribute or played any causative factor in Charles
24 Wilson's RARS?

25 A. No.

1 Q. Do you, as a clinical physician, ever use a
2 differential diagnosis as a tool for analyzing
3 causation?

4 A. Yes.

5 Q. What is a differential diagnosis?

6 A. Well, we look at the evidence from the case
7 and that could be historical information from the
8 patient, physical findings, laboratory studies, and we
9 try to find a best fit. In other words, illnesses
10 might cause the constellation of findings that we see
11 and then we try to prove that by a particular test or
12 study.

13 Q. Did you, in examining and preparing your
14 opinions in this case, do any sort of differential
15 diagnosis analysis?

16 A. Well, in a sense, someone has an illness like
17 RARS, what you're looking for, are there any, let's
18 say, factors that might give you ringed sideroblasts in
19 the bone marrow, for example, that might suggest that
20 diagnosis is due to an alternate cause than what we
21 consider idiopathic.

22 Q. Okay. And did you do that in this case?

23 A. Yes.

24 Q. And what are the possible causes of RARS?

25 A. Well, one can see large numbers of ringed

1 sideroblasts in people who have lead poisoning. And
2 it's -- the bone marrow is not exactly similar. It's
3 similar in the respect that there can be large numbers
4 of ringed sideroblasts, but lead poisoning is more of
5 what we call a hemolytic anemia. And -- but
6 superficially it might be confused with RARS.

7 Q. Do you think lead poisoning caused Charles
8 Wilson's RARS?

9 A. No.

10 Q. Do you have any studies you can point us to
11 that say lead poisoning causes RARS?

12 A. Not that I bring today. As I said, lead
13 poisoning causes ringed sideroblasts in the bone
14 marrow. That's a well-known phenomenon.

15 Q. Benzene causes different forms of MDS; isn't
16 that also a well-known phenomenon?

17 A. Benzene can cause certain forms of MDS, yes.

18 Q. Which forms of MDS can benzene cause?

19 A. Well, the literature tells us that the -- the
20 myelodysplasia that one can see from benzene is very
21 similar to the myelodysplasia that one sees from
22 chemotherapy drugs and, that is, in most instances,
23 it's what we call a hypoplastic form of MDS, meaning
24 that the cellularity of the bone marrow is reduced.

25 And in all forms of MDS, as time goes by, the

1 bone marrow can slowly convert to an acute leukemia
2 picture; and so under the MDS classification, if one
3 uses that classification, there are sort of weigh
4 stations on the way; and the normal bone marrow might
5 have, let's say, 1 or 2 percent blast cells. If the
6 bone marrow starts to go bad and develops at least 5
7 percent blast cells, it's sometimes referred to as
8 refractory anemia with excess blasts under the type 1.

9 Under the current modification of that system,
10 if the blast count comes up to close to 19 percent,
11 it's RAEB type 2. If it gets to 20 percent blasts, we
12 call it acute leukemia. So the -- I would say that
13 looking at it purely on a morphologic basis, benzene
14 could form a hypoplastic type of myelodysplasia. It
15 can form a refractory anemia with excess blasts, RAEB
16 situation, and that could progress to an acute
17 leukemia.

18 Q. So you would agree with me that benzene can
19 cause RAEB?

20 A. Yes.

21 Q. Benzene can cause RAEB in transformation?

22 A. Well, that term isn't used anymore, but it --
23 I would say yes, in general terms, although that term
24 is now obsolete.

25 Q. You believe benzene does not cause refractory

1 anemia, that subtype of MDS, correct?

2 A. Oh, primary refractory anemia, I -- I don't
3 know the answer to that. I would think it might.

4 Q. But benzene does not cause RARS, correct?

5 A. It does not cause RARS.

6 Q. If a patient has RARS, could their disease
7 turn into RAEB?

8 A. Yes.

9 Q. Okay. So as they have RARS, your opinion
10 would be, there's no way benzene could cause that RARS,
11 correct?

12 A. If the illness began as RARS, that is not a
13 benzene-caused illness; and you get into a difference
14 in the way hematologists and sometimes epidemiologists
15 look at things. To me, RARS is a disease continuum.
16 And in some people, it will pass through stages where
17 it -- there's a blast accumulation stage, there might
18 be, let's say, a honeymoon stage, in which the bone
19 marrow shows no increase in blasts. It might pass
20 through a blast stage, it might pass into an
21 erythroleukemia stage. It's still the same illness.
22 It's one illness. I guess the best example would be
23 someone with hepatitis C, may have acute hepatitis,
24 then they may get a chronic hepatitis and then they may
25 get cirrhosis. It's still hepatitis C. It's the same

1 illness. You're just looking at different phases of
2 the same process.

3 Q. RARS is one form of the MDS process, correct?

4 A. RARS can be classified as a type of MDS.

5 Q. Is itself a form of the -- of the MDS process?

6 MR. PARKS: Objection. Form.

7 A. Process. That would be -- it is a disease
8 that can be classified as myelodysplasia.

9 Q. (BY MR. PATTON) Okay. Two patients walk into
10 your office -- you have a clinical practice, right?

11 A. Yes.

12 Q. And you have treatment rooms, correct?

13 A. Yes.

14 Q. Okay. And sometimes you'll have one patient
15 in one room and one patient in another room and you'll
16 go back and forth or see one before the other, right?

17 A. Yes.

18 Q. Okay. Let's say we have a patient in room 1,
19 okay, and the patient in room 1 comes to you and just
20 came in, just diagnosed him, he has RARS. Okay? You
21 would tell that patient -- or you could tell that
22 patient, if the topic came up, that patient number 1,
23 benzene played no causal role in RARS because benzene
24 doesn't cause RARS; you can tell that person that,
25 right?

1 A. Correct.

2 Q. Okay. Less go to over to room 2, okay?

3 Patient in room 2 has RAEB. Okay?

4 A. Yes.

5 Q. You can tell the patient in room 2, hey, you
6 have RAEB, which is caused by benzene exposure,
7 correct -- possibly caused by benzene exposure?

8 A. Possibly. You know, I have to qualify that
9 because let's assume I looked at the bone marrow under
10 the microscope, all right? And in that bone marrow, I
11 saw 15 percent ringed sideroblasts but I also saw 5
12 percent blast forms. That diagnosis, by the MDS
13 classification, would be RAEB; but I wouldn't know the
14 real diagnosis is RARS.

15 Q. So, wait a minute. How is it somebody could
16 have RARS or RAEB and you don't know?

17 A. It's because of the fact that that's one of
18 the -- one of the bad features of the MDS designation
19 is that it's purely based on morphology, not on the
20 clinical history of the patient. In other words, when
21 a person gets refractory anemia with ringed
22 sideroblasts, generally speaking, the bone marrow
23 avidly holds on to those ringed sideroblasts, even as
24 it passes on into RAEB classification; and sometimes
25 even if it passes into erythroleukemia. But we see the

1 fingerprints, the ringed sideroblasts at each stage.

2 So if I looked at a bone marrow that had 5
3 percent blast cells and was MDS-type bone marrow,
4 technically, that's classified as RAEB. But I would
5 know that it's different than an RAEB that I saw with
6 no ringed sideroblasts in the bone marrow because I see
7 the fingerprint.

8 Q. Somebody could come in your office, the
9 patient in room 2 with RAEB and he could have RAEB with
10 no ringed sideroblasts, correct?

11 A. Correct.

12 Q. And you could look at his bone marrow and you
13 could say, all right, there's no way patient number 2
14 here ever had ringed sideroblasts and there's no way
15 he's ever going to have ringed sideroblasts; is that a
16 fair statement?

17 A. That's correct.

18 Q. Okay. So someone with RAEB would never
19 develop -- he would -- patient number 2, with RAEB,
20 could never come back into your office six months later
21 and all of a sudden show some ringed sideroblasts; is
22 that your testimony?

23 A. Could not show 15 percent or greater of ringed
24 sideroblasts.

25 Q. What's the significance of 15 percent or

1 greater?

2 A. Because it's a large number. 15 percent is
3 the general number that the World Health authority has
4 put that minimum criteria to make a diagnosis of RARS.
5 Actually, when you see RARS bone marrows, sometimes
6 they'll be 30 percent ringed sideroblasts, sometimes
7 virtually every cell looks like a ringed sideroblast.

8 But the official criteria, just like we talked
9 about 5 percent blast cells to make you RAEB, you need
10 to have 15 percent ringed sideroblasts to make you an
11 RARS.

12 Q. Is it possible for a patient to present with
13 RAEB and then come back later and have RARS?

14 A. No.

15 Q. Impossible?

16 A. Well, I've never seen or read of such an
17 event.

18 Q. Can someone start with RARS and develop into
19 RAEB?

20 A. Yes.

21 Q. Once somebody starts with RARS, the patient in
22 room 1, who you would say no way benzene played a
23 possible causative factor, once they transformed into
24 RAEB, would you then tell that person, hey, I know you
25 used to have RARS, no benzene relationship there,

1 however, now you have RAEB which could be caused by
2 benzene; could you tell that patient that?

3 A. No.

4 Q. Why not?

5 A. Because I know that they had RARS and I know
6 that they're just going through the natural history of
7 the illness.

8 Q. And the natural history of the illness is to
9 do what?

10 A. The natural history of the illness, in most
11 people with RARS, the first manifestation is severe
12 anemia. And as time goes by, in certain of the
13 patients, you get into a proliferative stage where you
14 start growing bad looking white cells, not just bad
15 looking red cells, and you may start to accumulate
16 blast cells; and then you may further deteriorate your
17 marrow function and accumulate large numbers of blasts
18 and be classified as acute leukemia. Those three
19 stages of RARS have been recognized for 50 years.

20 Q. Before we started your deposition, you and I
21 marked Exhibits 1 through 42 and those are all the
22 references you cited in support of your opinions in
23 your report, correct?

24 A. Yes.

25 Q. Where is the piece of authority in there that

1 says RARS can turn into RAEB and because it keeps the
2 ringed sideroblast fingerprint, there's no way it's
3 related to benzene. Which study are we relying on in
4 support of that contention?

5 A. There are some articles that say that it's a
6 continuum illness. But I don't know of any article
7 that says, that this continuum illness, as it passes
8 through these stages, is -- is -- has anything to do
9 with benzene. In other words, that's not typically
10 addressed.

11 Q. Benzene as a causative factor of the subtypes
12 of MDS is not typically addressed in the scientific
13 literature, correct?

14 MR. PARKS: Objection. Form.

15 A. Yes, it is.

16 Q. (BY MR. PATTON) You're talking about Strom
17 2005?

18 A. No. In other words, for example, Linet's
19 article talks about what -- what -- what myelodysplasia
20 from benzene might look like. Let's find what she says
21 here.

22 In Linet's article, which is entitled,
23 "Clinical Features of Hematopoietic Malignancies and
24 Related Disorders among Benzene-exposed Workers in
25 China, she says, "Overall, findings for benzene-exposed

1 workers with myelodysplastic syndromes, included bone
2 marrow hypocellularity and marked dyserythropoietic
3 features such as multinuclearity, megaloblastoid
4 changes, impaired hemoglobinization, abnormal nuclear
5 shape, and dimorphic morphology of red cells."

6 She, as others have said, that the usual
7 finding in benzene-related marrows with myelodysplasia,
8 as it is for chemotherapy-related myelodysplasia, is
9 hypoplasia reduced cellularity. And no one has shown
10 ringed sideroblasts in benzene-poisoned bone marrow.

11 Q. What you just said was from page 1355 of the
12 Linet study, correct?

13 A. Yes.

14 Q. And it starts off -- the portion you were
15 reading from, the portion you highlighted, correct?

16 A. Yes.

17 Q. And it starts off in the left-hand column,
18 "Myelodysplastic syndromes, evident among seven
19 patients based on review of histopathologic tissue or
20 reports, included refractory anemia, refractory anemia
21 with excess blasts, and chronic myelomonocytic
22 leukemia, with subclassification not possible in three
23 cases.

24 "Overall, findings for benzene-exposed workers
25 with MDS included" -- and then you went through that

1 with the big words that the court reporter enjoyed.

2 So this revealed -- this Linet study revealed
3 that benzene-exposed workers did have refractory
4 anemia, correct?

5 A. Well, this is -- when you have 74,000 people,
6 and you find one case of something, to demonstrate that
7 that's epidemiologic related, you can't get very far.
8 In other words, the incidences of CMML, for example, is
9 such, that if you took 74 members of the -- 74,000
10 people of the general population, you'd find at least
11 one case.

12 So the fact that she saw a case there, doesn't
13 prove it was related to benzene or not, it just shows
14 what she saw; and what she saw was no case that had
15 ringed sideroblasts.

16 Q. Okay. So she saw one that had, for instance,
17 refractory anemia or RAEB, that doesn't really mean
18 anything because it's 1 in 74,000, right?

19 A. That's correct. The numbers aren't there to
20 tell you anything.

21 Q. But the fact she found zero with RARS, that
22 doesn't mean anything either, does it?

23 A. It doesn't support the proposition that RARS
24 can be caused by benzene.

25 Q. Does this -- does this paper support the

1 proposition that benzene causes any bone marrow
2 disease?

3 A. Yes. Because she says that the typical
4 benzene-induced disease is reduced cellularity in
5 marrow. It damages the bone marrow. And the purpose
6 of their report was to indicate that they had a high
7 number of -- they believe they had an increased
8 incidence of AML.

9 Q. So this study confirms what we all know and
10 that's that benzene damages the bone marrow, correct?

11 A. Yes.

12 Q. And your testimony is the Linet study here
13 tells us that benzene damages the bone marrow by virtue
14 of reducing cellularity, correct?

15 A. Yes.

16 Q. And RARS, what's happening with the cells
17 there? Are they being reduced or increased?

18 A. Usually they're either normal to increased.

19 Q. Is it your opinion that when benzene damages
20 the bone marrow, the only way it damages it is to
21 reduce cellularity?

22 A. No. Benzene -- we -- it causes dyspoiesis, in
23 other words, difficulty of maturation, it can cause
24 chromosome abnormalities in the myeloid cells. So it
25 produces a number of types of changes in the bone

1 marrow.

2 Q. Okay. Let's talk about the types of changes
3 that benzene causes the bone marrow. Okay?

4 If benzene were to attack and injure the bone
5 marrow -- you agree with me benzene does attack and
6 injure the bone marrow, correct?

7 A. In high enough dose, yes.

8 Q. Okay. If we can talk about dose later. But
9 as far as benzene generally being a damaging agent, so
10 to speak, or an attacker on the bone marrow, if benzene
11 were to attack bone marrow, tell us the ways in which
12 it would attack it. You already said it would decrease
13 cellularity, right?

14 A. Yes.

15 Q. And what does that mean?

16 A. Well, benzene used to be used as a
17 chemotherapy agent for people with chronic leukemias.
18 When they had a very high white cell count, you would
19 put some benzene in a capsule. They would swallow it
20 and the white count would go down because it would
21 reduce bone marrow function.

22 Q. So at some time in the past, doctors would
23 actually treat increased cellularity by giving people a
24 little bit of benzene in a pill and that would
25 automatically knock down the cells?

1 A. It would be about a gram of benzene to two
2 grams, so it is a fair amount, but it could reduce the
3 cellularity, yes.

4 Q. Okay. So benzene decreases cellularity,
5 meaning less production of cells?

6 A. Less production of cells.

7 Q. And does that go for red cells and white
8 cells?

9 A. Yes.

10 Q. Bone marrow attacking the -- I'm sorry,
11 benzene attacking the bone marrow. What other ways can
12 it do it?

13 A. Well, the bone marrows in people with benzene
14 toxicity frequently have been cited as having increased
15 numbers of eosinophils.

16 Q. What are those?

17 A. They're a type of reddish granulated white
18 cell that we sometimes associate with allergic
19 reactions. It's a nonspecific finding. But several
20 reports suggest that an increase in eosinophils is seen
21 in the bone marrows of benzene-related people.

22 Q. So benzene can increase certain types of red
23 cells?

24 A. White cells.

25 Q. White cells?

1 A. Yeah. Eosinophils are white cells.

2 Q. Okay. So what else can benzene do to the bone
3 marrow?

4 A. Well, is -- benzene is a mutagenic agent.

5 Q. What does that mean?

6 A. Meaning that it can damage chromosomes.

7 Q. Okay. And chromosomes, that's DNA, right?

8 A. That is DNA.

9 Q. So benzene is known to damage chromosomes?

10 A. Yes.

11 Q. And chromosomes are part of our cells, right?

12 A. Yes.

13 Q. Okay. What else can benzene do to the bone
14 marrow?

15 A. Well, if the chromosome damage is substantial
16 enough, it may result in ultimately acute leukemia.

17 Q. And what is acute leukemia?

18 A. Well, acute leukemia is an unrestrained growth
19 of abnormal white cells.

20 Q. And what effect does that have on the body?

21 A. Well, the leukemic cells typically fill up the
22 bone marrow and they're essentially blanks. They don't
23 have any beneficial function; but by filling up the
24 bone marrow, they prevent the bone marrow from making
25 good cells which fight infection, fight bleeding and

1 carry oxygen. So a person with a bone marrow full of
2 blast cells or leukemic cells essentially has a
3 nonfunctional bone marrow.

4 Q. Okay. What else does benzene do to the bone
5 marrow?

6 A. Well, it can produce in high doses what's
7 called aplastic anemia, where the bone marrow stops
8 working for a long period of time or possibly an
9 indefinite period of time.

10 Q. Meaning the blood cell machine or factory in
11 the bone marrow absolutely shuts down?

12 A. Yes.

13 Q. Okay. What else can benzene do to the bone
14 marrow?

15 A. Well, it can produce morphologic
16 abnormalities, in other words, by interfering with cell
17 division, you can get abnormal looking cells under the
18 microscope.

19 Q. Interferes with the way cells divide and
20 reproduce?

21 A. Yes.

22 Q. Okay. What else can benzene do to the bone
23 marrow?

24 A. Those are the things that come to mind.

25 Q. Okay. If someone has RARS, what is going on

1 with their cells or their chromosomes?

2 A. Well, the typical patient with RARS, has what
3 we call ineffective erythropoiesis. In other words,
4 they're making plenty of cells in the bone marrow, but
5 the cells aren't maturing properly; and so what gets
6 out of the bone marrow to circulate is less than the
7 production that you would see it would suggest.

8 In other words, if I -- just to give a typical
9 example, if I give a normal person a small amount of
10 radioactive iron and I inject that into the blood,
11 within about 30 minutes it disappears and it goes into
12 the bone marrow.

13 Now, over the course of the next week, that
14 radioactive label appears in the circulating blood
15 inside newly-formed red cells, at about 90 percent of
16 it plus comes back out in labeled red cells. If I do
17 the same experiment with someone with sideroblastic
18 anemia, oftentimes about 10 percent of the label comes
19 back out. In other words, the cells are made and break
20 down and remade and break down, but my label -- but
21 they don't come out in the sense of well-formed blood
22 cells. So we call that ineffective erythropoiesis.

23 Q. When we're talking about MDS generally, that
24 classification of diseases, what are the cells or the
25 chromosomes doing or not doing in a patient with MDS?

1 A. Well, if you look at MDS in general -- on some
2 of the papers I cite give the numbers -- but there are
3 varying frequencies of chromosome abnormalities,
4 depending on the type, the subgroup of MDS. RARS
5 happens to have the lowest frequency of chromosome
6 abnormalities of any of these syndromes. They can
7 certainly have chromosome abnormalities and a number of
8 have been described, but most people with RARS have
9 normal chromosomes.

10 Q. Okay. What's happening to the cells of a
11 person with MDS?

12 A. Well, in a general sense, the cells are being
13 prevented from maturing properly and undergoing their
14 normal growth potential.

15 Q. If someone has refractory anemia, which is a
16 form of MDS, you would agree with me that their cells
17 are being prevented from maturing properly and/or not
18 growing to their potential, correct?

19 A. That's correct.

20 Q. Same with someone with RARS?

21 A. Similar, yes.

22 Q. Okay. And how is it different for RARS?

23 A. Because of the fact that we see large numbers
24 of ringed sideroblasts in the cells suggesting that
25 there's some primary defect in iron incorporation, that

1 iron is piling up in the red cell precursor and not
2 being manufactured into hemoglobin.

3 Q. So someone with RARS, just as someone with RA,
4 the RARS patient is showing you cells which are
5 prevented from maturing properly, they're not growing
6 to their potential, plus they have this little extra
7 signature that deals with iron, correct?

8 A. Yes.

9 Q. Okay. And then what about someone with RAEB?
10 Are their cells prevented from maturing properly and/or
11 not growing to potential?

12 A. Yes. But in RAEB, you're now developing a
13 measurable increase in the population of what we call
14 blast cells or blanks, as I referred to them before,
15 very immature cells that are not functioning.

16 Q. So when you look at RAEB under the microscope,
17 it shows you a little bit different signature than RARS
18 as far as what the cells are doing?

19 A. It's a different signature, yes.

20 Q. Okay. And then someone with RAEB-T or
21 RAEB-transformation to AML, what's the difference
22 there?

23 A. Simply it's a difference in more blast cells.

24 Q. And then CMML, that's a form of MDS, too,
25 right?

1 A. No. CMML is another disease like RARS that's
2 been known about for many, many years. In 1982 it was
3 put into the MDS category. It's since been taken out.
4 Most hematologists never thought it belonged there.
5 It's a myeloproliferative disease. There's tremendous
6 overproduction inside the bone marrow.

7 Q. Overproduction of cells in the bone marrow is
8 what characterizes CMML, correct?

9 A. Yes. Overproduction of particularly normal
10 looking granulocytes, and in a particular, monocytes,
11 another type of white blood cell.

12 Q. One with refractory anemia would be showing
13 you decreased cellularity; true?

14 A. No. People with refractory anemia could have
15 reduced cellularity or normal cellularity or even
16 occasionally slightly increased cellularity.

17 Q. Someone with RARS, their cells would be
18 showing you decreased cellularity?

19 A. No. There are usually increased numbers --
20 normally -- well, overall cellularity of the bone
21 marrow is usually to normal -- normal to moderately
22 increased; and the increase is typically in red blood
23 cell precursors that contain the ringed sideroblasts.

24 Q. Benzene causes refractory anemia, correct --
25 can cause?

1 A. I think so, yes.

2 Q. Can cause RAEB, right?

3 A. Yes.

4 Q. Can cause CMML?

5 A. There's no evidence for that.

6 Q. Okay. But that's not a myelodysplastic
7 syndrome?

8 A. No. It's a myeloproliferative disease.

9 Q. Okay. And benzene does cause RAEB?

10 A. Yes. It does cause RAEB.

11 Q. Benzene causes AML?

12 A. Yes.

13 Q. Someone with RARS could develop into AML?

14 A. Yes.

15 Q. If Mr. Wilson, his disease of RARS developed
16 into AML, would your opinion be no way it could be
17 caused by benzene exposure because it had the RS
18 signature on it, therefore his AML could in no way be
19 caused by benzene exposure, would that be your opinion?

20 A. My opinion would be that this is part of the
21 natural history of the illness.

22 Q. What if someone came into your office and went
23 into the exam room and you looked at -- you visited
24 with the patient, you looked at all their data, you
25 looked at all their labs and they had AML. Could you

1 tell from looking at their AML whether they went
2 through a ringed sideroblasts phase of refractory
3 anemia?

4 A. Usually not.

5 Q. Okay. So someone -- let's say Mr. Wilson, for
6 example -- no. Let's not use Mr. Wilson. Let's say
7 patient number 3. Okay?

8 Patient number 3 comes into your office,
9 presents with AML, and you look at the data and you
10 can't tell whether or not he went through an RARS phase
11 before he got to AML; true?

12 A. Well, when you say that, in other words, RARS
13 is going to cause certain things. In other words, if
14 we know and have blood counts from six months ago or a
15 year ago that were perfectly normal, we would know he
16 didn't have RARS. Now he's got acute leukemia. So
17 part of that would be the history and the records we
18 have.

19 Q. What if a patient came into your office, never
20 saw a doctor before in his life, okay, no prior blood
21 test, no -- no nothing, okay, and he had AML. Is it
22 possible that his body went through an MDS phase before
23 becoming AML?

24 A. Yes.

25 Q. Is it possible that his body went through an

1 MDS phase such that for one reason or another, he
2 didn't get treated for it and all of a sudden here he
3 is with AML; possible?

4 A. Yes.

5 Q. What about someone who has RARS, untreated,
6 doesn't go to the doctor, no lab work, shows up with
7 AML. Is it possible that that AML patient that you saw
8 could have RARS before they got to AML, correct?

9 A. Yes.

10 Q. And so in that instance, if someone has AML
11 and you don't know what they had before, you can't
12 exclude benzene as a cause, right?

13 A. Well, in anybody with AML, we can't say with
14 certainty of what the cause was. We can only talk
15 about in probabilities. And so when I see a case of
16 AML, I can't say with 100 percent certainty what the
17 cause is. I can only talk in what is likely and what
18 is not likely.

19 Q. And what is possible?

20 A. And what is possible.

21 Q. So if someone comes in with RARS, you can say
22 with confidence that you believe that there's no way
23 benzene played any causative role in RARS, correct?

24 A. Correct.

25 Q. Someone could come into your office and their

1 RARS developed naturally into AML, and you would tell
2 them, your AML is possibly related to benzene exposure;
3 true?

4 MR. PARKS: Objection. Form.

5 A. Well, it would depend on their history. In
6 other words, if they had a -- a heavy benzene exposure
7 history and they have AML, that's always a
8 consideration depending on a number of features.

9 Q. (BY MR. PATTON) How many people in your career
10 have you diagnosed with MDS? Approximately.

11 A. Well, it's a fairly common illness. I could
12 say easily 100 or 150.

13 Q. 100 to 150 patients with MDS who you
14 diagnosed, right?

15 A. Yes.

16 Q. Can you estimate of that number approximately
17 how many had RARS?

18 A. Well, I think my figures would be not much
19 different than that's in the literature. I'd say
20 probably 15 to 18 percent.

21 Q. Okay. My question is a little more specific,
22 though. How many did you diagnose with RARS?

23 A. Well, I thought I answered that. Let's assume
24 I saw 100 people with RARS. Probably 15 to 18 of
25 them -- 100 people with MDS, 15 or 18 of them would

1 have RARS. That's the usual percentage. It's not a
2 common form of myelodysplasia but it's not rare either.

3 Q. Every MDS patient you've seen, have you told
4 them, hey, you have MDS and you have a certain subtype
5 of MDS?

6 A. Typically, yes, if I can. In other words, MDS
7 frequently is unclassifiable. The -- the illness
8 doesn't neatly fit into one category or another.

9 Q. Someone could come into your office and into a
10 treatment room -- we'll call them patient number 4 --
11 and patient number 4, you can say you have a
12 myelodysplastic syndrome. And they would say, well,
13 what is a myelodysplastic syndrome and what would you
14 tell them?

15 A. I'd say it's a disordered blood cell
16 production in the bone marrow.

17 Q. You have a disorder -- patient number 4, you
18 have a disordered blood cell production?

19 A. I would tell them they have a sick bone marrow
20 or disorder of bone marrow function. That might
21 venture into acute leukemia.

22 Q. Someone with MDS has a sick bone marrow,
23 right?

24 A. Yes.

25 Q. Could you tell patient number 4 which subtype

1 he has?

2 A. Patient number 4 is someone who just came off
3 the street with AML?

4 Q. With MDS.

5 A. Oh, with MDS. Well, it would depend on the
6 characteristics of the bone marrow.

7 Q. You could look at his bone marrow and for sure
8 class him as RA, RARS, RAEB or RAEB-T, right?

9 A. Or none of those. Just like the article from
10 the Chinese of benzene study. They said some were
11 unclassifiable.

12 Q. What makes a MDS unclassifiable?

13 A. Well, generally we -- where we have sometimes
14 trouble classifying them is when a bone marrow has very
15 reduced cellularity but the cells are maturing, that's
16 to say they look normal, there just aren't enough of
17 them; and sometimes is very difficult to separate MDS
18 from what we call aplastic anemia. It depends on a
19 number of features and we look for other things that
20 might suggest MDS, such as a chromosomal normality or
21 responds to a particular form of treatment.

22 And -- but hypoplastic -- severe hypoplastic
23 MDS is very difficult to separate out from aplastic
24 anemia.

25 Q. What's hypoplastic mean again?

1 A. Very reduced cells. In other words, it's not
2 unusual to see a myelodysplasia bone marrow that only
3 has, let's say, 20 percent of normal cellularity. An
4 aplastic anemia bone marrow may have 5 percent of
5 normal cellularity.

6 Q. What percentage of normal cellularity did
7 Charles Wilson have?

8 A. I've never seen his slides. I've asked
9 Mr. Raven to get me his slides, but we could look at
10 his bone marrow report and see what they said.

11 MR. PATTON: Let's take a break. Off the
12 record.

13 (Break.)

14 Q. (BY MR. PATTON) Dr. Natelson, which of the 42
15 references to your report says, in effect, benzene does
16 not cause or cannot cause RARS?

17 A. Well, the paper I wrote says that.

18 Q. Okay. Where is your paper at?

19 A. It's in here. It's in here. Okay.

20 Q. You wrote this paper in 2007?

21 A. I don't remember when I wrote it. Probably
22 could be early 2007 or late 2006. This journal has had
23 it for a long while. They -- they accepted it, but
24 then I never got the galley proofs and finally they
25 said it's going to go into a particular issue.

1 Q. And this is Exhibit 14, your paper?

2 A. Yes.

3 Q. You testified in litigation on a couple of
4 occasions before drafting this paper, that benzene did
5 not cause a given person's RARS, right?

6 A. Yes.

7 Q. You say here on page 2, "A critical review of
8 the refractory sideroblastic disorders strongly
9 suggests that benzene exposure is not a potential cause
10 of this distinct and still-evolving subset of MDS."

11 A. Yes.

12 Q. You said that, right?

13 A. Yes.

14 Q. RARS is a type of -- I'm sorry. RARS is a
15 type of refractory sideroblastic disorder, right?

16 A. Yes.

17 Q. Are there additional subtypes of -- let me ask
18 my question more clearly.

19 Are there -- how many different types of
20 refractory sideroblastic disorders are there?

21 A. Well, I suppose it depends on who you ask; but
22 generally there are three types now that people like to
23 talk about. The first type is what has undergone
24 several different names. Currently it's RARS. And
25 what that refers to is a bone marrow that has the

1 ringed sideroblasts in there, but very little
2 dyspoiesis or abnormal cells outside the red cell line.
3 Some people call this pure sideroblastic anemia or
4 RARS.

5 And in recent years, the -- the World Health
6 authority has separated this form of RARS from patients
7 with RARS who have funny looking white cells or
8 platelets, and that's referred to as RARS with -- I
9 have to look at my paper -- with -- morphologic
10 abnormality. They have a euphemism for what it is.
11 But it's a type of RARS where there are morphologic
12 abnormalities in multilineage cells; in other words,
13 it's not just the red cell precursors.

14 Personally, I think what they're doing is
15 describing two different ends of a bell-shaped curve.
16 But some people set great store by this.

17 Q. And what's the third type?

18 A. The third type -- it's been known for many,
19 many years that some patients with RARS have an
20 extremely cellular bone marrow with some degree of
21 myelofibrosis in it and very large numbers of
22 platelets.

23 Q. So what do they call this one?

24 A. RARS-T for thrombocythemia. And that
25 particular one is of interest because a newly-described

1 molecular marker, may be called the JAK-2 marker may be
2 positive in that form, and the JAK-2 marker is possible
3 in many patients with myeloproliferative diseases. In
4 other words, 95 percent of people with polycythemia
5 vera have a possible JAK-2 study, and it's become a
6 standard way of confirming that diagnosis.

7 That was the illness in the Mielke case you
8 mentioned. In -- in this situation, this is sort of a
9 crossover. There are people with RARS who have the
10 JAK-2 abnormality, and so they're put into a category
11 of RARS-T. So those would be the three distinctions.

12 Q. Okay. Does RAEB have further distinctions?

13 A. No.

14 Q. Does --

15 A. Excuse me. I take that back. The original
16 RAEB did not. The current modern one has a type 1 and
17 a type 2 based on the presence of -- the frequency of
18 blast cells. In other words, with -- with small
19 increase in blast cells is RAEB 1; with a larger
20 increase, it's RAEB 2.

21 Q. Does AML have subtypes?

22 A. Yes.

23 Q. How many different subtypes of AML are there?

24 A. Well, generally seven types of subtypes you
25 have under the -- what's called the FAB or

1 French-American-British classification.

2 Q. What are the seven subtypes of AML under the
3 FAB?

4 A. Well, the -- the distinctions are -- some of
5 them are quite distinct. The M-3 is the -- what we
6 call promyelocytic leukemia. And the M-7 is the -- I
7 believe erythroleukemia, and then some people say M-8
8 for megakaryocytic leukemia. And the remainder are
9 various forms of AML with little differentiation in
10 the -- in the blast cells or sort of a monocytic
11 designation, I believe, M-5 is a pure monocytic
12 leukemia and M-0 are leukemias that are very primitive
13 looking and so on.

14 So the distinctive ones, really, are
15 promyelocytic leukemia, which actually may not have
16 much increase in blasts and, of course, erythroleukemia
17 and megakaryocytic leukemia.

18 Q. And there's an M-4 type of AML, right?

19 A. M-4, myelomonocytic leukemia, and it's
20 interesting because today, the -- this FAB distinction
21 is less and less of importance and we're looking more
22 at chromosome abnormalities; and treatment is
23 predicated a little differently than it used to be.

24 Q. Is there an M-1 or a M-2 type?

25 A. M-0, M-1, M-2, they're all AMLs with a small

1 amount of differentiation. M-0 has virtually none, M-1
2 a little more and M-2 a little more and so on.

3 Q. Let's take an example of M-4 AML.

4 A. Yeah.

5 Q. Are there further subtypes of AML M-4?

6 A. No.

7 Q. Are there further subtypes of M-3?

8 A. Yes. In promyelocytic leukemia, sometimes one
9 form is large granular-type and the other is sort of
10 normal granular type.

11 Q. So there's two subtypes of M-3 AML?

12 A. Yeah.

13 Q. How about M-7 AML?

14 A. Erythroleukemia, no. Usually erythroleukemia
15 is a erythroleukemia.

16 Q. What about M-8? Are there any subtypes with
17 M-8?

18 A. Well, it depends on who you look at. Some
19 people with -- have a very peculiar form of leukemia
20 that's called acute myelofibrosis and some people
21 believe that's an M-8 leukemia and some believe it's
22 not. It's an unusual leukemia where the bone marrow
23 very quickly fills up with fibrous tissue and blasts
24 and runs a very acute course. It clearly is an acute
25 leukemia, but it's distinct from many of the other

1 forms of acute leukemia.

2 Q. So there are a couple of subtypes within M-8?

3 A. Yes, I think so.

4 Q. What about M-5? Are there subtypes within
5 that?

6 A. Pure monocytic leukemia, no. That's generally
7 the same. I mean, there -- there are, of course,
8 different chromosome arrays in their leukemias, but
9 that doesn't change the FAB classification.

10 Q. But if we wanted to stratify and look at each
11 possible smaller layer, we could look at, for example,
12 in M-8 -- we could look at an AML, M-3, subtype 1 with
13 certain chromosomal features; and we could further --

14 A. You could, although in M-3, there's a
15 particular translocation that's very common, the 1517
16 translocation that's characteristic of both morphologic
17 types. So they're not -- that particular illness isn't
18 segregated by chromosomes, but it is by morphology.

19 Q. What about M-0 leukemia? Any subtypes in
20 that?

21 A. No.

22 Q. M-4?

23 A. No.

24 Q. There are no subtypes of M-4?

25 A. Well, all leukemias may have a different

1 chromosome array; and in leukemia, the feature that is
2 most prominent about determining prognosis is the
3 chromosome array. And so certain chromosomes are
4 considered good. Like inversion 16, for example, or
5 the 8 to 21 translocation or promyelocytic leukemia,
6 those are all -- the treatment is highly effective;
7 whereas, leukemias that have loss of the seventh or
8 part or all of the seventh chromosome are less
9 favorable.

10 Q. What about M-1 or M-2? Are there any subtypes
11 within those?

12 A. Well, they would have different chromosomes;
13 and, again, the prognostic subdivision is, these days,
14 more in order of what the chromosome analysis is.

15 Q. Benzene causes -- or can cause AML, correct?

16 A. Yes.

17 Q. You've never testified in litigation that
18 benzene caused a certain plaintiff's AML?

19 A. That's correct.

20 Q. And opining in AML cases, that benzene was not
21 a cause of the worker's AML, did you ever give that
22 opinion based on the type of subtype or the type of
23 chromosomal array that was present for that given
24 worker?

25 A. I may have, yes.

1 Q. So that's to say that in opining in AML cases
2 that benzene did not cause this worker's AML, you might
3 have opined in that regard based on -- based on the
4 amount of exposure, right?

5 A. Only in part. A typical example might be an
6 acute leukemia with inversion 16. And that's never
7 been described from benzene exposure at any dose level.
8 And so that doesn't mean that it can't cause that, it
9 just means that it's not very likely. And as I said, I
10 can only talk in probabilities. So faced with someone
11 with, let's say, an inversion 16 leukemia and we know
12 that 80 or 90 percent of them are de novo, not caused
13 by any benzene exposure and we know it's never been
14 described with benzene exposure, obviously more
15 commonly than not, it's going to be not benzene
16 exposure. And then, of course, you add in what is the
17 exposure and that has some pertinence, too.

18 Q. What kind of AML does benzene cause?

19 A. Well, the AML that benzene causes is really no
20 different than AML that can be caused by modern day
21 chemotherapy. It's -- it's a kind of a leukemia that
22 typically has chromosomal abnormalities. Most of them
23 involving the fifth and the seventh chromosome. There
24 could be others.

25 It's a person who has very high exposures to

1 benzene, very high cumulative part-per-million
2 exposure. And it's a form of acute myeloid leukemia,
3 as opposed to acute lymphoblastic or chronic leukemia.
4 So it's an acute myeloid leukemia in the setting of a
5 very high benzene exposure that -- that might be
6 characterized by a 5 or a 7 chromosome abnormality.

7 Q. What if a patient has a 5 or a 7 chromosomal
8 abnormality and an inversion 16 and exposures, let's
9 say they have 400 ppm years of cumulative exposure,
10 would you give the opinion that benzene was a possible
11 cause of that person's AML?

12 A. It depends on the sequence, for example. You
13 see, chromosome abnormalities beget other
14 abnormalities. So what you commonly see is someone may
15 come in with a single chromosome abnormality; and as
16 they're treated with chemicals and go into remission
17 and relapse, they start to accumulate more and more
18 abnormalities.

19 Well, that's simply -- those abnormalities are
20 sort of not the primary event. They're secondary
21 phenomenon. The key phenomenon is what is the original
22 abnormality. So if someone presented with an inversion
23 16, regardless of what happened down the line in their
24 chromosome array, that's not likely to be benzene
25 induced.

1 Q. You've never testified that benzene caused a
2 given person's AML, correct?

3 A. Yes.

4 Q. Okay. Would you ever testify on behalf of a
5 plaintiff in a benzene exposure case?

6 A. Would I? I've never been asked to.

7 Q. If I wanted you to testify on behalf of a
8 plaintiff that I represent, what type of AML and what
9 type of chromosome abnormalities and what type of
10 exposure would I need to be able to present to you for
11 you to support causation?

12 MR. PARKS: Objection. Form.

13 A. It would have to be an AML. It would have to
14 be a person who had a very high benzene exposure.

15 Q. (BY MR. PATTON) How high?

16 A. Probably at least 100 part per million,
17 preferably 200 part per million. Very high exposure.
18 It would have to be an exposure that bore certain time
19 frame relevance to the leukemia. In other words, if
20 you showed me someone that 30 years ago had an exposure
21 and today has leukemia, I would say that doesn't fit
22 because benzene is a disease of short latency as is our
23 modern day chemotherapy.

24 So I would be looking at the latency involved,
25 the chromosome array, the benzene exposure, and when

1 you got all done with all of that, I could only say
2 it's possible this was due to benzene because in any
3 given case, there is no side in the leukemia that
4 proves one etiology over the other.

5 Q. Before we started talking about AML subtypes,
6 we were talking about your paper, Exhibit 14.

7 A. Yes.

8 Q. Besides your paper, Exhibit 14, what other
9 citations or authority do you have that say effectively
10 benzene does not cause RARS specifically?

11 A. Well, there are many such papers. I list them
12 in my paper and many of them are here. You can start
13 back with Wintrobe, whose textbooks we have here.
14 Dr. Wintrobe had a large personal series and an
15 interest in RARS; and he concluded, by carefully
16 questioning his patients, that benzene was not
17 associated with RARS.

18 Q. And is that in your exhibits?

19 A. That's in these first two articles dealing
20 with his situation. Then we talked about the fact that
21 in the Chinese, a benzene study of Hayes, there were a
22 large numbers of people, they had their bone marrow
23 slides reviewed. None of them had RARS.

24 In the work by Aksoy, Aksoy was a Turkish
25 hematologist, but he trained in the United States. And

1 he looked at people in the '70s; and at that time, iron
2 stains on the bone marrow and sideroblastic anemia was
3 well-known; and he carefully analyzed all the people he
4 saw with benzene exposure. There was no RARS.

5 In the Pliofilm study -- it's primarily on
6 death certificates, we don't have the original
7 slides -- there was no description of anybody with
8 RARS. So those are three studies where no one
9 identified anybody with RARS.

10 Then we have Dr. Irons' study in which he
11 looked at heavily exposed benzene people. Found bone
12 marrow abnormalities, specifically looked for RARS and
13 it wasn't there. And then we have the article by Ruiz,
14 who also had about 33 cases of people with heavy
15 benzene exposure that had sick bone marrows. He
16 specifically looked for RARS and said there were no
17 ringed sideroblasts. So all of these studies are
18 people exposed to high levels of benzene with
19 documented abnormalities in their marrow but no ringed
20 sideroblasts.

21 Then we have indirect evidence --

22 Q. Let me stop you real quick. Hayes, Aksoy, the
23 Pliofilm study, Irons and Ruiz --

24 A. Yeah.

25 Q. -- all looked at chromosome abnormalities?

1 A. No. They looked at morphology. They looked
2 to see if there were any ringed sideroblasts
3 identified, and they didn't find any. I believe Irons
4 did look at chromosomes and but -- and some -- some
5 patients in the Chinese study had chromosomes but not
6 many.

7 Then there are sort of indirect articles. For
8 example, one of those articles in here is a person took
9 84 people with RARS and he carefully questioned them as
10 to whether or not they had any benzene exposure. And
11 only one person did and that person also was radiated.
12 And so, essentially, benzene didn't look to be a
13 prominent factor in that group of 84 people with RARS.
14 Then we have the Strom article, and he (sic) did much
15 the same, questionnaire studies of people with RARS
16 compared with other forms of MDS.

17 And in that study, she concluded that -- that
18 there was no relationship with benzene exposure among
19 the group with sideroblastic anemia or CMML. And so
20 all of those studies -- when you come right down to it,
21 there isn't a single article in the world's literature,
22 not a case report, not an epidemiologic study, that
23 says RARS can be caused by benzene.

24 Q. You believe that benzene causes RAEB, right?

25 A. It may.

1 Q. Is there a single epidemiological study or
2 even an isolated case report in the world's medical
3 literature that shows RAEB may be related to or a
4 consequence of benzene exposure?

5 A. I believe in the Strom article they felt that
6 there was a relationship in their RAEB patients.

7 Q. What about unspecified types of MDS? Isn't
8 there support in the literature that benzene causes MDS
9 when MDS is not viewed in terms of subtypes?

10 MR. PARKS: Objection. Form.

11 A. Well, we -- there -- there isn't really epi --
12 if you -- if benzene has to stand on its own feet,
13 there is no epidemiologic proof that benzene causes
14 myelodysplasia. We only assume that it does by analogy
15 to the fact that our chemotherapy drugs can cause
16 myelodysplasia, certain forms of it. So we have some
17 basis to think that benzene can.

18 So by analogy, we think benzene can because
19 our chemotherapy drugs, the modern day drugs can. When
20 we look at our modern chemotherapy drugs, they don't
21 cause RARS. So the plausibility disappears with
22 respect to RARS.

23 Q. (BY MR. PATTON) Based on the absence of --

24 A. RARS from modern chemotherapy drugs.

25 Q. You said in your report that -- in bold that

1 you're unaware of any epi studies or even an isolated
2 case report. What about the cases you testified
3 involving RARS; those cases were before the Strom
4 study, correct?

5 A. Yes.

6 Q. Okay. And did you opine in those cases that
7 benzene was in no way any causative factor of the
8 person's RARS?

9 A. That's correct.

10 Q. Would you consider those prior cases that you
11 testified in -- certainly they're -- obviously they're
12 not case reports but aren't those incidences where a
13 person was exposed to benzene and it could have been a
14 causative factor?

15 A. Well, their alleged exposures, which have not
16 been subjected to peer review in a journal, where the
17 reviewers had a chance to look at the data to see if
18 they were realistic; and so the bottom line is, they're
19 not published.

20 Q. And if someone were to submit that type of
21 case report in a journal, would you act as a critic of
22 it and say, well, there's no way benzene causes RARS?

23 A. It depends on the case. For example, I don't
24 recall all of those cases, but if it's a person that
25 had nonmeasurable alleged benzene exposure 30 years ago

1 and now when he's 70, he has RARS, I wouldn't accept
2 that as a relationship.

3 Q. You call Charles Wilson's RARS -- you also
4 call it by the name AISA. What is that?

5 A. Well, over the years, people have used
6 different titles for this illness and sideroachrestic
7 anemia is an early one. Sideroachrestic means "iron
8 loving." Then people used to call it "acquired
9 sideroblastic anemia," meaning you didn't have it and
10 now you do. Then people used the term "idiopathic
11 acquired sideroblastic anemia," meaning we don't know
12 the cause. And then people have used the term "pure
13 sideroblastic anemia" and people have used the term
14 "RARS," or refractory anemia with ringed sideroblasts.
15 So this is an illness that is many, many names over
16 years; but to a hematologist, we -- we know what it is.

17 Q. What is a hematologic illness?

18 A. I would say it's a blood related illness.

19 Q. Which would include a bone marrow related
20 illness?

21 A. Which could include a bone marrow illness,
22 yes.

23 Q. How many different types of hematologic
24 illnesses are there?

25 A. Almost an infinite number. I, you know,

1 through my career, I've seen many, many different kinds
2 of hematologic illnesses.

3 Q. Thousands? Hundreds?

4 A. I've certainly seen probably in the -- I've
5 done, for example, I would say, somewhere between 5 and
6 7,000 bone marrows in my career.

7 Q. And they're all different?

8 A. Well, they're not all different, but the
9 they're all people with hematologic disorders.

10 Q. How many different types of hematologic
11 disorders are there?

12 A. I -- I've never stopped to count them. In
13 other words, there are three basic cell lines -- the
14 red cell line, the platelet line and the white cell
15 line. And each one of those cell lines has a myriad of
16 disorders that can be associated with it. And so there
17 would be a lot.

18 Q. Would you agree with the statement that
19 benzene generally is a possible cause of hematologic
20 illnesses?

21 A. Yes.

22 Q. How many different types of myelodysplastic
23 syndromes are there?

24 A. It depends on who's classification you look
25 at, and it keeps changing. And the original

1 classification was fairly simple, but it -- every few
2 years it's changed; and there are some proposed new
3 changes.

4 We look at the unclassified type, as we talked
5 about; two different -- or three different types of
6 sideroblastic anemias, two different types of
7 refractory anemia with excess blasts. Those are some
8 of the types.

9 Q. Are you familiar with, generally, the world's
10 literature on benzene as a cause or possible cause of
11 hematologic illnesses?

12 A. In general terms, yes.

13 Q. Could you estimate in general terms how many
14 different articles have been written on benzene as a
15 cause of hematologic illnesses?

16 A. I -- I couldn't hazard a guess.

17 Q. Over 10,000?

18 A. I doubt that.

19 Q. Over a thousand?

20 A. I don't know.

21 Q. How many articles are you aware of that
22 examine RARS in the context of what causes RARS
23 specifically?

24 A. Well, all of these articles that I cited
25 are -- are -- involved in some form or fashion like

1 that.

2 Q. Okay. Let's go through the articles that you
3 cite.

4 A. Yes. And let's start with first one. The
5 Kushner, 1971 article, yes.

6 Q. What does that have to do with your opinions?

7 A. Well, Dr. Wintrobe and his associates looked
8 at 17 of their own patients and they reviewed the
9 world's literature at that time on this illness; and
10 they concluded the pathogenesis of RARS remains
11 unknown. And he specifically talks in the article
12 about benzene, and they couldn't relate any of their --
13 the illness in any of their patients to that. So --

14 Q. What page does he talk about benzene?

15 A. It's either this or the other article, but I
16 may have highlighted it. Let's see. He says, there --
17 in his discussion, he says, lack of evidence for an
18 associated inflammatory or neoplastic disease,
19 nutritional deficiency or a well-defined exposure to
20 drug or toxins, which produced this. So I would put
21 benzene under the toxins in that particular article.

22 And then later, last, he talks about no
23 particular pattern of exposure -- talking about
24 toxin -- emerges from the histories of the patients
25 reviewed.

1 Q. The 17 patients?

2 A. No. He's also reviewed all of the other ones
3 in the literature to that point. 61 patients in total.
4 And -- so he couldn't find any evidence of benzene as a
5 cause in his or other patients at that time. And this
6 is in 1971.

7 Q. We also cited or marked as an exhibit later
8 on, Wintrobe's textbooks, correct?

9 A. Yes.

10 Q. Can we pull those out for a second.

11 A. Sure.

12 Q. Does Wintrobe's 10th edition talk about what
13 causes RARS?

14 A. They have a section in etiology on there and I
15 Xeroxed it and also in the 11th edition. They're
16 written by the same person.

17 Q. Where in the 10th edition or 11th edition does
18 it talk about what causes RARS?

19 A. Well, it says idiopathic acquired
20 sideroblastic anemia, and it says etiology and
21 pathogenesis. And then this is the -- those pages have
22 to do with etiology, the cause and the pathogenesis.
23 This is the other, same thing in the other chapter. It
24 just says etiology and pathogenesis.

25 Q. Okay. Exhibit 2, that is the Cheng, 1979?

1 A. That's also a Wintrobe article. And by this
2 time, it's several years later. Now he's got 29
3 patients he's been studying personally, and he's come
4 to the same conclusion that -- that there's no -- no
5 well-defined exposure to drugs or toxins.

6 Q. Where is that at?

7 A. Under discussion. "By definition, there is no
8 recognized associated inflammatory or knee plastic
9 disease, nutritional deficiency or well-defined
10 exposure to drugs or toxins."

11 Q. Does he explain what he means "by well-defined
12 exposure to drugs or toxins"?

13 A. Well, he does in one of these articles, he
14 talks about that because he mentioned the term
15 "benzol." I'm not sure if it's this review. He
16 doesn't specifically mention what toxins he sought.

17 Q. So Exhibit 2 doesn't specifically examine
18 benzene as a causative factor of RARS; true?

19 A. Well, he examines what he thought might cause
20 it and he talks about toxins and he was certainly well
21 aware of -- of benzene as a toxin to the bone marrow.

22 Q. Okay. What is the value of Exhibit 3, the
23 Cazzola 1988 article?

24 A. And this is continuing on. In other words,
25 these are sequential by years, this is now -- we're up

1 to 1988. And the title starts, "Idiopathic refractory
2 sideroblastic anemia is a disorder of unknown
3 etiology." So by -- nothing has changed, when you get
4 up to 1988, that doctors are still taking histories and
5 physicals and looking for causes, and no cause is
6 identified.

7 Q. May I see that? And then Exhibit 4, what is
8 the value of that and your opinions in this case?

9 A. Well, only in this way, these are some of the
10 authors who attempted to divide RARS into a good
11 prognosis and bad prognosis group. And talking, for
12 example, about pure idiopathic acquired sideroblastic
13 anemia as opposed to those that had bad looking cells.
14 And from the description of the marrow, Mr. Wilson fits
15 into the good group.

16 Q. So that talks about prognosis, not about
17 causation?

18 A. Talks about prognosis, not about causation.
19 I -- he talks about the fact that we excluded other
20 causes, looking for alcoholism, lead poisoning, drugs,
21 blah, blah, blah, metabolic disorders. And so, and
22 he -- he does talk about benzene. He says in this
23 technical group, of their 84 patients, he identified
24 one patient who claimed to have had benzene exposure
25 and that person had radiation therapy.

1 Q. Okay. And then what Exhibit 5 and its value
2 in your opinion in this case?

3 A. Similar in that -- this is another group that
4 claimed on cytomorphologic grounds, the sideroblastic
5 anemia can be divided into pure sideroblastic anemia,
6 PSA, or RARS, which he thought was a preleukemic state.
7 And so, again, it's suggesting that someone with a --
8 with problems like Mr. Wilson, that are only evident in
9 the red cell line, they generally do better than those
10 who have multilineage dysplasia.

11 Q. This Exhibit 5 does not examine causation of
12 RARS; true?

13 A. Correct.

14 Q. Exhibit 6, what is the value of that in your
15 opinion?

16 A. This is a general discussion on sideroblastic
17 anemia, and the -- one of the things that's useful
18 about this is, they talk about the problems with iron
19 overload which often is a major problem in people with
20 this situation.

21 Q. Does it discuss causation?

22 A. I don't know if it does or not. They say at
23 the end, sideroblastic anemia is talking in the general
24 category of sideroblastic anemias, vary in etiology and
25 pathophysiology; but under the -- under the RAS group,

1 they don't offer any -- in other words, they -- they
2 talk about the fact that their history looked for
3 possible toxin or drug exposures and blah, blah, blah
4 but they don't -- they don't suggest anything.

5 MR. PATTON: Let's take a short break.
6 Off the record.

7 (Break.)

8 Q. (BY MR. PATTON) Doctor, what is the value of
9 Exhibit 7 as it bears on your opinions in this case?

10 A. Well, this is an article by Dr. Lynch, who
11 used to be my partner many years ago; and I'm, again,
12 reunited with him in the Department of Medicine at
13 Methodist, and I saw many of these patients. And it's
14 a study of sideroblastic anemia of the group that were
15 seen in the Baylor experience.

16 And they had 85 with RARS and they discussed
17 the frequency of survival and conversion to AML and so
18 on in that particular group.

19 Q. Does it discuss causation of RARS?

20 A. No, it does not. Primarily was concerned with
21 incidences and progression of disease and so on.

22 Q. Would you agree with me that most of the
23 literature specific to RARS does not examine causation?

24 MR. PARKS: Objection. Form.

25 A. No, I wouldn't agree with that. Because when

1 you see a patient with any hematologic disease or any
2 other disease, causation is part of what you learn in
3 medical school. You try to look for the cause. And
4 you may do that by history taking, looking at the drugs
5 the patient has, the occupational history. So all
6 doctors, to a certain extent, are trained to look for
7 causation.

8 Q. (BY MR. PATTON) What is the value of Exhibit 8
9 in your opinions in this case?

10 A. Well, I think as I mentioned, the bone marrow
11 avidly holds on to ringed sideroblasts, but they do
12 decline with time. And this is a study that looks at
13 the percentage of ringed sideroblasts over time as the
14 disease progressed from RARS to RAEB.

15 Q. So Exhibit 8 examines the incidence of RARS
16 evolving or changing somehow into RAEB and discusses
17 the way the RS signature goes away?

18 A. Well, it changes. It doesn't go away. It's
19 reduced, in other words. But they -- they do discuss
20 that; yes. And, of course, one would expect that to
21 occur. They show that, for example, in 20 percent of
22 patients with RAEB, they still show ringed sideroblasts
23 accounting for greater than equal to 20 percent of the
24 bone marrow.

25 So, in other words, they hang onto those ring

1 sideroblastics pretty well. So in many cases of RAEB,
2 you can still see the 15 or 20 percent ringed
3 sideroblasts that I mentioned earlier, even though it
4 is now moved by virtue of the increase in blast forms
5 into an RAEB classification.

6 Q. Although in some cases of RARS transformed
7 into RAEB, the RS signature goes away, right?

8 A. Correct.

9 Q. What's the value of Exhibit 9 in your opinions
10 in this case?

11 A. Well, this was -- is a general discussion on a
12 myelodysplasia and talks about the original category.
13 It talks -- it's really a general article.

14 Q. Does this general article, Exhibit 9, talk
15 about the cause of RARS?

16 A. I don't recall what it says in there, if it
17 has a section on etiology.

18 Q. Okay. Exhibit 10, what is the value of that
19 in your opinion?

20 A. Another general discussion on the etiology and
21 epidemiology of MDS. And this particular article, the
22 author says the precise mechanisms responsible for the
23 initiation of MDS are currently unknown.

24 Q. What does that author mean by "precise
25 mechanism"?

1 A. Well, how -- how illness is -- is caused.

2 Q. Does it acknowledge benzene as a possible
3 causative factor?

4 A. It talks about radiation, chemotherapy agents,
5 cigarette smoking. He mentions benzene in the form of
6 cigarette smoking; occupational and environmental
7 carcinogens. "An increased or possible odds ratio was
8 found for MDS patients exposed to radiation,
9 halogenated organics and metals." Elevated odds ratio
10 were found for copper, arc welding fumes and hydrogen
11 peroxide, with borderline agents for -- for degreasing
12 agents. And it talks about fertilizers as well as
13 petrol and diesel derivatives.

14 Q. Okay.

15 A. It says, "In addition, it is clear that an
16 association between exposure to an agent and the
17 development of MDS is a long way from establishing
18 cause and effect."

19 Q. What is the value of Exhibit 11 in your
20 opinions in this case?

21 A. Well, it points out that the natural history
22 of these diseases ranges from a chronic course that may
23 span years to a rapid course toward leukemic
24 progression; and specifically, "Unfortunately, the
25 nomenclature and classification systems used to

1 describe these conditions are cumbersome and
2 contentious." And I would certainly endorse that
3 statement.

4 Q. And does that discuss causation, Exhibit 11?

5 A. No. This is just a classification.

6 Q. What is the value of Exhibit 12 in your
7 opinions in this case?

8 A. That's the next one we're up to?

9 Q. Yes.

10 A. In this case, it would point out what I've
11 said, is that MDS may develop after exposure to toxins,
12 such as benzene, chemotherapy drugs or high doses of
13 radiation, although its etiology is unknown in more
14 than 80 percent of patients. And he talks, in this
15 case, about the prior terminology for this illness.

16 Q. Okay. What about Exhibit 13?

17 A. Again, it does talk -- this is a
18 classification of MDS -- and they talk about causation,
19 unless associated with prior chemotherapy, radiation or
20 toxic exposure eludes discovery. Indicating that in
21 most of those patients we don't know the cause.

22 Q. And then Exhibit 14, this is your article,
23 correct?

24 A. Yes.

25 MR. PATTON: Can I get a copy of Exhibit

1 14 before we leave today?

2 MR. PARKS: Yeah. Remind me.

3 Q. (BY MR. PATTON) Exhibit 14 speaks for itself?

4 A. Speaks for itself, yes.

5 Q. Exhibit 15, what is the value of that in your
6 opinions?

7 A. This one points out, as we've talked about,
8 "The myelodysplastic syndromes represent a
9 heterogeneous group of disorders with different biology
10 and prognosis," that they're different diseases. And
11 in specific, this patient is being treated with
12 erythropoietin to try to stimulate red cell production.
13 And this article discusses how effective that might be,
14 as well as other remedies to try to improve the blood
15 counts.

16 Q. Exhibit 15 is mostly about treatment --

17 A. Yes.

18 Q. -- differences amongst the different types of
19 MDS?

20 A. Yes.

21 Q. Exhibit 16?

22 A. This is an article looking that -- looking at
23 chromosomes and other things in these -- in these
24 patients. And it points out, "From clinical
25 cytomorphologic, cytogenetic and molecular aspects, the

1 myelodysplastic syndromes are heterogenous diseases."

2 Q. Meaning different?

3 A. Yeah. There are many different diseases
4 classified under this rubric.

5 Q. Exhibit 17 and 18 say pretty much the same
6 thing?

7 A. That's correct.

8 Q. And do 16, 17 or 18 look at causation?

9 A. No. They mention incidents -- well, they --
10 they do comment. It says that some were therapy
11 related, can be caused after exposure to alkylating
12 agents, radiation or both. But that they're mostly
13 idiopathic and it also a -- one of the more current WHO
14 classification schemes.

15 Q. What about Exhibit 19? What's the value of
16 that in your opinions in this case?

17 A. Well, I think we discussed this. This is sort
18 of a blue ribbon panel looking at Gulf War exposures to
19 pesticides and solvents; and this group which was
20 composed of many different epidemiologists, pointed out
21 when you're looking specifically at etiology via
22 epidemiologic studies, that there's inadequate evidence
23 to determine whether an association exists between
24 chronic exposure to benzene and myelodysplastic
25 syndromes.

1 Q. May I see that? Exhibit 20, what is the value
2 of that paper in your opinion?

3 A. Well, in Dr. Haas' deposition he was asked
4 questions and it got confusing because he was talking
5 about two different classification systems as if they
6 were the same one.

7 And we talked about there's a classification
8 system for MDS, which is strictly morphology based.
9 Then there's a scoring system for -- involving
10 prognosis; and in his -- in his discussion he was
11 talking about low risk, intermediate risk and high risk
12 as though that's a classification. It is, but it's
13 different than the other classification that we used of
14 the separate diseases.

15 And he also indicated that -- that people who
16 have low risk disease, where he put Mr. Wilson in,
17 might have an average survival of ten years, I think is
18 what he said. And that's not borne out by these
19 articles. In this particular one -- there are two of
20 these articles -- it says median survival was
21 respectively 6.5 years in the low risk group.

22 Q. And that's Exhibits 20 and 21?

23 A. Yes. The next one has to do with what we
24 mentioned, RARS-T, "Ringed sideroblasts with
25 thrombocytosis."

1 Q. Mr. Wilson doesn't have that, correct?

2 A. He does not have that.

3 Q. That's Exhibit 22?

4 A. Yes.

5 Q. Exhibit 23?

6 A. Same with 23. Same with 24.

7 Q. And what about 25?

8 A. 25 is the way this is treated because, in his
9 particular situation, he's occasionally got some blood
10 transfusions; but Dr. Haas has been watching his iron
11 levels and they're not particularly elevated; and if
12 they get elevated, we have mechanisms of getting rid of
13 the iron. And so he's following him from that
14 standpoint. And this discusses that.

15 Q. And 26 is the Linet article?

16 A. Yes.

17 Q. And this looked at the 74,000 or so workers
18 and did not find a case of RARS, correct?

19 A. Correct.

20 Q. Any other value to Exhibit 26 in your opinions
21 in this case?

22 A. No. As we discussed, she comments on what the
23 usual finding is in a MDS marrow from people with
24 benzene exposure.

25 Q. Exhibit 27, what is the value of Dr. Wong's

1 study?

2 A. Dr. Wong's study is really sort of a critique
3 of the Hayes article and comments about what he thinks
4 are -- are dose response observations; and in this --
5 in this study and his opinion that the exposures in the
6 Chinese benzene studies were not much different than
7 what they were in the Pliofilm study.

8 Q. Does he examine RARS specifically?

9 A. No.

10 Q. Does he look at MDS?

11 A. I don't believe so, no.

12 Q. So what is the value in that? Cumulative
13 dose?

14 A. Yeah. This is an article and so is the
15 following on what -- on risks of AML after cumulated
16 doses.

17 Q. Do you have any opinions as to what cumulative
18 dose of benzene Mr. Wilson experienced?

19 A. Well, your expert, I think, said he had 18.1
20 part per million levels; and in one of these recent
21 depositions, the counter from the defense side was he
22 had around 5 part per million, I think, something like
23 that. That was the differential.

24 Q. What about your opinion, based on that
25 information and from what you know on working on these

1 cases, do you have any opinion as to how much benzene
2 he would have been exposed to?

3 A. I wouldn't be able to calculate that.

4 Q. Do you believe that the printing solvents that
5 he used from Ashland would have contained benzene?

6 A. They might have contained small amounts of
7 benzene, yes.

8 Q. What's the basis of your opinion that the
9 Ashland solvent blends may have contained small amounts
10 of benzene?

11 A. Well, various forms of mineral spirits are
12 well known to have trace amounts of benzene in them.
13 And so that's been looked at. I think I referenced two
14 articles that had to do with similar types of solvents;
15 and so, it wouldn't surprise me if there were very
16 small amounts of benzene in the materials he worked
17 with.

18 Q. So is it your opinion in the case that Charles
19 Wilson did work with or around benzene on a daily basis
20 when using the Ashland solvent blends?

21 MR. PARKS: Objection. Form.

22 A. I can't dispute or comment about that. I --
23 I'm not an expert on those solvents and -- and couldn't
24 make estimates on how much he worked with --

25 Q. (BY MR. PATTON) Did you speak to anyone from

1 Ashland in the course of reviewing materials and
2 formulating your opinions in this case?

3 A. No.

4 Q. Did you ask to speak with anyone from Ashland?

5 A. No.

6 Q. Did you see any documents from Ashland that
7 indicated how much benzene was in these products?

8 A. There is a document in there to that effect
9 that I recently received that talks about some of these
10 products.

11 MR. PATTON: Kevin, do you know what
12 documents he's talking about?

13 MR. PARKS: The produced stuff Friday.

14 MR. PATTON: Documents produced this past
15 Friday?

16 MR. PARKS: I'm not sure if that's what
17 he's talking about.

18 THE WITNESS: Yeah. I just received
19 that.

20 MR. PATTON: Is that in the pile I have
21 here today?

22 THE WITNESS: It's here, yeah.

23 MR. PATTON: Can we find that? Let's go
24 off the record.

25 (Break.)

1 Q. (BY MR. PATTON) Dr. Natelson, the documents
2 which you referenced to talk about, the benzene content
3 of the Ashland solvent blends, you're referring to the
4 documents marked as Ashland-Wilson 4029 through 4051,
5 correct?

6 A. Yes.

7 Q. These are 2004 or 2005 documents. Actually,
8 one appears to be 2000. Let me ask it this way.

9 None of these seem to date back to the
10 pre-2000 time period, do they?

11 A. I didn't notice that. We can quick -- it's
12 not a long document. We can look through that. This
13 says 2005. 2005. 2005. 2005 again. 2005 again.

14 Q. In any event, Doctor, you have not seen any
15 documents in this case that would tell us the benzene
16 content of the Ashland solvent blends, which Mr. Wilson
17 is alleged to have used, that talk about benzene
18 content between 1978 through 2000, right?

19 A. Correct.

20 Q. Okay.

21 MR. PATTON: Kevin, did you also produce
22 earlier pre-2000 specifications?

23 MR. PARKS: Today. That's in the stuff I
24 gave you today.

25 Q. (BY MR. PATTON) Exhibit 28, what is the value

1 of that document and in forming your opinions?

2 A. That's, again, another article by Dr. Wong
3 talking about the cumulative dose of benzene necessary
4 to cause AML.

5 Q. Doesn't talk about MDS, right?

6 A. Correct.

7 Q. And 29 and 30, those are the Aksoy articles?

8 A. These are Aksoy articles discussing the
9 hematologic effects of chronic benzene poisoning.

10 Q. And what are those effects?

11 A. Well, he has lists of all of the things that
12 he saw here, low white count, low platelet count,
13 pancytopenia, what's called a Pelger-Huet anomaly.
14 It's a funny looking white cell. Large platelets,
15 eosinophilia, we mentioned earlier.

16 Then he has results of bone marrow studies and
17 11 people who were using a lot of benzene, and so it
18 demonstrates what he said in his article, that he's
19 commonly seeing these patients. He was doing bone
20 marrows on them and he doesn't describe any RARS.

21 Q. And then what about Exhibit 31?

22 A. That's the same thing. In other words, he --
23 he talks about the type of leukemia that he saw in some
24 of those people.

25 Q. You're talking about Exhibit 30, right?

1 A. Yeah. 30. Yeah. 31 is Dr. Irons' study; and
2 he says much the same thing that Dr. Linet said,
3 distinguishing features of benzene-induced dysplasia,
4 include marked dyserythropoiesis, meaning a lot of
5 funny looking red blood cells, eosinophilic dysplasia
6 and abnormal granulation of the white cell precursors;
7 and that's the thing that he saw. And he did iron
8 stains in this marrow and did not find any ringed
9 sideroblasts, and he shows pictures of some of these
10 cells.

11 Q. May I see that?

12 A. (Hands over document.)

13 Q. Who funded this study by Irons?

14 A. I don't know.

15 MR. PATTON: Off the record.

16 (Discussion off the record.)

17 MR. PARKS: I just wanted to indicate --
18 Keith had asked earlier about whether we had produced
19 documents showing benzene contents for dates earlier
20 than 2000 and indicated those were produced today.
21 Those may have been produced on Friday, September 14th.
22 I'm just not sure. I'd have to go back and look at the
23 production records on that. I just didn't want there
24 to be any confusion on that. Thanks. Sorry about,
25 Keith.

1 Q. (BY MR. PATTON) Dr. Natelson, what is the
2 value of Exhibit 32 on your opinions in this case?

3 A. Again, this shows what happens to a bone
4 marrow when you have chronic benzene poisoning; and it
5 shows that the bone marrow cellularity is reduced,
6 there's lots of fat in here. And he specifically
7 states, in none of the patients ringed sideroblasts
8 were observed. And so he did iron stains on all of
9 these people. There were like 33 patients or so.

10 So his description of the bone marrow, Irons'
11 description of the bone marrow, Dr. Linet's description
12 of the bone marrow, they're all quite similar in three
13 different groups of people with benzene exposures.

14 Q. What about -- what would the description be of
15 people with AML in terms of cellularity?

16 A. Well, AML, there's a lot of cellularity in
17 most cases. The -- the bone marrow is often what we
18 call packed, meaning it's 100 percent cellular.

19 Q. So it would look different than what Ruiz and
20 Irons and others observed, right?

21 A. Yes. Yes.

22 Q. So Exhibit 32 isn't the end all, be all to
23 what benzene-affected cells look like; fair statement?

24 MR. PARKS: Objection. Form.

25 A. Well, it is. In other words, it's what they

1 look like before one has acute leukemia, you might say.

2 Q. (BY MR. PATTON) Okay. What's the value of
3 Exhibit 33? This is the Strom study, right?

4 A. This is the Strom study. I think I described
5 him earlier as a "he" but it's actually a "she," I
6 believe.

7 In any event, she said that among refractory
8 anemia and ringed sideroblast cases, smoking and
9 agricultural chemical exposure were the only risk
10 factors identified.

11 Q. Strom examined some 105 patients with the
12 combined category of RA and RARS, correct?

13 A. Yes.

14 Q. This is reflected in Table 6?

15 A. Yes.

16 Q. It talks about benzene/solvent/gasoline
17 exposure, right?

18 A. Yes.

19 Q. What is the amount of low/medium
20 benzene/solvent/gasoline exposure that's reflected in
21 the Strom study? How much benzene are we talking about
22 in that category?

23 A. I have no idea.

24 Q. What about high?

25 A. I have no idea.

1 Q. What did the questionnaire look like that
2 asked these 105 people whether or not they were exposed
3 and how they were exposed to benzene/solvent/gasoline?

4 A. I don't know that.

5 Q. Is this the primary study upon which you rely
6 in opining that benzene does not cause RARS?

7 A. No. As we've gone through, there are many
8 other studies. This is just one more study.

9 Q. And this is the only recent study to look at
10 benzene as a cause of various forms of MDS by subtype;
11 true?

12 A. Yes. I believe that's correct.

13 Q. And, in fact, the Strom study looked at 37
14 patients at MDS who had RARS from '99 to 2004, correct?

15 A. Yes. They have 37 patients in that group.

16 Q. Did they deal with or did the Strom study
17 address which patients maybe had RARS then transformed
18 into RAEB?

19 A. No.

20 Q. Does it talk about how many of these people
21 had RARS and then transformed into AML?

22 A. No.

23 Q. You would agree with me, Doctor, that it's
24 possible that MD Anderson had a patient from '99 to
25 2004, who had RARS and then later developed into AML

1 and, therefore, was not examined in the context of MDS
2 marrow, survived from '99 to 2004 for this study; true?

3 A. No. I would say, no, that's probably not
4 true. Because likely, if they had -- she's looking
5 through the records, I'm assuming, and trying to pull
6 out anybody who ever had a diagnosis of RARS at MD
7 Anderson. I'm guessing that's what she did. And that
8 would give her her 37 people. What later transpired
9 with those 37 people is sort of not what this article
10 is looking at.

11 Q. And you certainly don't know the background of
12 these 37 people, do you?

13 A. No.

14 Q. How would you find that out if you had to?

15 A. If I had to, I guess I could call Dr. Strom up
16 and ask her what, you know, could she make available
17 who these patients were or their case histories and
18 things of that nature.

19 Q. And, again, you don't know what Dr. Strom
20 asked for in context of what the nature and duration or
21 amount of benzene exposure that this category of 37
22 RARS patients experienced; true?

23 A. Correct.

24 Q. And in fact, Table 6, combines the RA and RARS
25 category, right?

1 A. Yes. They are unbound in one of the tables
2 that deals with smoking. I don't know if that's the
3 one -- let me look at the situation here.

4 Yes. In this particular table, she's got them
5 separated and it looks as though the group with the
6 least amount of smoking history is the one in the RARS
7 category. Excuse me. The least amount of never
8 smoking, in other words, that the smokers were -- were
9 in this category.

10 Q. Okay. Let's look at Exhibit 34, the Zhang
11 article.

12 A. This is a general article about the nature of
13 chromosomal aberrations detected in people exposed to
14 benzene.

15 Q. Does it talk about MDS?

16 A. It does not specifically talk about MDS.

17 Q. Does it talk about RARS?

18 A. No.

19 Q. And Mr. Wilson had no chromosomal
20 abnormalities, right?

21 A. Correct.

22 Q. Exhibit 35, what is the value of that document
23 for your opinions?

24 A. Well, in this article it talks about
25 chromosome abnormalities and the treatment of MDS. And

1 as I said earlier, we -- or I opined that benzene can
2 cause MDS by its comparison with modern day
3 chemotherapy drugs; in other words, that would be the
4 reason to think that it might. And the author says a
5 diagnosis of chromosomal abnormalities are present in
6 40 to 60 percent of patients with primary MDS and
7 greater than 90 percent of people with therapy-related
8 MDS.

9 So, in other words, if I equate benzene and
10 therapy-related MDS, I would expect most all of them
11 would have chromosome disorders, and he doesn't.

12 Q. What is the value of Exhibit 36 on your
13 opinions in this case?

14 A. Pointing out that this is a significant
15 disease, and this author indicates that approximately
16 two-thirds of patients succumb to the disease about
17 three to four years after presentation. He also gives
18 incidence figures and also the classification system.

19 Q. Speaking of the severity of disease and the
20 treatment of MDS, you read Dr. Haas, the treating
21 physician's deposition, correct?

22 A. Yes.

23 Q. Is there anything besides the severity part
24 that we talked about earlier?

25 A. Well, I think the two things in there is he

1 thought that CMML was still --

2 Q. A subtype.

3 A. -- a subtype of MDS, which it isn't. He
4 talked about those two classification systems which
5 were blurred. We'd have to look specifically -- I
6 don't remember anything else that hit me in the face
7 when I looked at it.

8 Q. Did you see where he talked about the future
9 treatment that Charles Wilson will require for his
10 disease?

11 A. I don't remember what he said about that.
12 But -- but if he survives for a long period of time, he
13 will likely have -- need other treatment.

14 Q. Did you have any -- well, did you look at the
15 testimony by Dr. Haas as to the reasonableness and
16 necessity of the treatment required for Charles
17 Wilson's continuing treatment?

18 A. Well, yes. I don't remember exactly what he
19 said. But in general, what one tries in this illness
20 is to reduce the number of units transfused and yet
21 maintain a stable blood count. So he's giving him
22 Aranesp, which is a form of erythropoietin, to try to
23 improve his blood counts.

24 Q. Now I think he said he's getting Procrit.

25 A. Same thing. It's just a different

1 formulation.

2 Q. Procrit and Aranesp are, essentially, the same
3 treatment?

4 A. They're identical.

5 Q. Okay. For all practical purpose, they're
6 identical?

7 A. For all practical purposes. The difference
8 was Procrit came out first, and Aranesp is a
9 long-acting preparation and it was assumed that it
10 would be better because you got a shot and it would
11 stay in your system longer. That's never turned out to
12 be true. The response rate to Aranesp and Procrit are
13 essentially identical.

14 Q. On page 5, I want to turn to your report now.
15 Turning to your report, I want to ask a few questions.
16 On page 5 --

17 A. Yes.

18 Q. The portion beginning "In summary."

19 A. Yes.

20 Q. You say that "the cause for RARS/AISA
21 Mr. Wilson remains unknown, as it typically occurs in
22 members of the general population without any history
23 of toxic chemical exposures," correct?

24 A. Yes.

25 Q. You would agree with me that RARS -- strike

1 that.

2 You would agree with me that cancers in
3 general occur among the general population without any
4 history of toxic or chemical exposures, correct?

5 A. Certainly.

6 Q. And you would also agree with me that chemical
7 exposure can cause certain types of hematologic
8 disorders, right?

9 A. Yes.

10 Q. You would agree with me that toxic chemical
11 exposures cause some forms of MDS, right?

12 A. Yes. I believe that's true.

13 Q. And you agree with me that toxic exposures,
14 including benzene exposures, can cause AML?

15 A. Yes.

16 Q. Turning to page 2 of your report at the top of
17 the page. Do you believe Mr. Wilson's heart disease or
18 the treatment for his heart disease played any
19 causative role in his MDS?

20 A. No. I wouldn't see any relationship.

21 Q. Do you have any other opinions as to what
22 might have played a causative role in Charles Wilson's
23 MDS?

24 A. I don't know why he has MDS.

25 Q. Just know it wasn't related to benzene, right?

1 A. Well, this form, as we've talked about. This
2 form has never been shown related to benzene.

3 Q. And so it's your opinion that no matter what
4 other forms his disease might take, because he has the
5 RS ringed sideroblast signature, there's no way his
6 future diseases could in any way be related to benzene;
7 true?

8 A. Well, I think with that diagnosis comes the
9 natural history of that diagnosis. And the natural
10 history of that diagnosis is to have progressive
11 hematologic problems, even leukemia, although that's
12 not a big number in his case.

13 And so I -- it wouldn't surprise me if he went
14 on to develop acute leukemia. And I don't see why
15 anybody would need to implicate benzene or anything
16 else, now that he's got RARS.

17 Q. Turning to your CV which is marked --

18 A. Yes.

19 Q. -- as Exhibit 48. Turning to your -- the list
20 of your publications.

21 A. Yes.

22 Q. Do any of the publications that you've written
23 discussed benzene, besides Exhibit 14?

24 A. Yes. This article, article 74, deals with
25 benzene.

1 Q. What's article 75 about?

2 A. Well, that's the one you looked at. That's
3 the sideroblastic anemia one.

4 Q. Okay. What's the general opinion or
5 conclusion set out in -- in article number 74?

6 A. It's a general discussion of what is known
7 about benzene-induced AML and comments on things such
8 as latency, cumulative part per million years,
9 incidents. It's just a general review of the
10 literature in this subject.

11 Q. Who paid you to write exhibits -- or articles
12 74 and 75?

13 A. Nobody paid me to do that.

14 Q. What is the horticulture stuff in your
15 study -- or your CV?

16 A. Oh, is just a hobby. I'm president of a
17 couple of larger organizations.

18 Q. Marked as Exhibit 49 is a list of your cases.
19 Starting on the front page, item number 1, that was a
20 benzene case, right?

21 A. Yes.

22 Q. And you testified on behalf of the defendant?

23 A. Yes.

24 Q. Did you testify as to what did cause that
25 worker's disease?

1 A. No.

2 Q. You just testified that benzene did not cause
3 it, correct?

4 A. Correct.

5 Q. And were you retained by Amoco on that case?

6 A. I don't know. I was retained by
7 Mr. Shoebbotham, who works in this law firm. I don't
8 know what the company was.

9 Q. Back in 1992, you were also hired by
10 Mr. Shoebbotham?

11 A. Yes. That's the first -- that was probably
12 the first time I ever had anything to do with
13 benzene-related testimony.

14 Q. And then item 4, who hired you in that case?

15 A. You know, I'm not sure about Mims. Smothers
16 was the case that -- that Mr. Shoebbotham asked me
17 about. I can't remember who asked me about Mims. But
18 it was definitely Smothers. And this is the one that
19 Jon Shoebbotham asked me to look at.

20 Q. And you opined that benzene did not cause that
21 worker's ALL?

22 A. Well, I opined he had ALL. In other words,
23 the allegation was he had AML and, therefore, could
24 have been caused by benzene but, in fact, he had ALL.

25 Q. What about number 5? Who retained you in item

1 5?

2 A. It's been too long ago for me to remember.

3 Q. You testified in that case, though, that
4 benzene exposure did not cause the worker's disease,
5 right?

6 A. Yes. I testified that benzene did not produce
7 multiple myeloma.

8 Q. As we sit here today, are you still of the
9 opinion that benzene does not cause or cannot cause
10 anyone's multiple myeloma?

11 A. Yes. That is my opinion.

12 Q. What is multiple myeloma?

13 A. Well, it's a bone marrow disease characterized
14 by overproduction of what are caused plasma cells. And
15 those cells replace the bone marrow that cause
16 tremendous bone destruction, kidney failure and
17 eventually death.

18 Q. Item number 8, Hodgkin's disease and causation
19 with Fulbright & Jaworski. Did that deal with benzene?

20 A. You know, I think it had to do with radiation.
21 I believe this was a man who worked for Schlumberger,
22 and he claimed he was exposed to a radiation machine
23 about 100 yards away from where he worked.

24 Q. Following page --

25 A. Yeah.

1 Q. -- item 10, Frias case?

2 A. Yes.

3 Q. That involved gasoline, correct?

4 A. That involved gasoline. Well, the allegation
5 was benzene in the gasoline caused aplastic anemia.

6 Q. Is it your opinion that benzene, when a
7 component of gasoline, can never cause a bone marrow
8 disease?

9 A. Well, that -- that is what the literature
10 states. In other words that, there's no evidence that
11 gasoline exposure can cause cancer.

12 Q. So, therefore, even though there's benzene in
13 gasoline, someone exposed to benzene via gasoline can
14 never experience a resultant bone marrow disease, would
15 that be your opinion?

16 A. Certainly the gasolines of today which contain
17 small amounts of benzene. I think in -- in England,
18 the gasolines contained at one time much more benzene
19 than we had. But in the United States, yes, I don't
20 believe gasoline can cause problems.

21 Q. Were you retained or working on behalf of
22 Shell Oil in that company -- or in that case?

23 A. That's what it indicates.

24 Q. Item 13, benzene exposure, again, is the cause
25 of aplastic anemia. It was your opinion that benzene

1 did not cause the worker's aplastic anemia?

2 A. Yes. That was an easy one because this was my
3 patient. And I had questioned him in great detail, as
4 had other doctors, and he always denied any benzene
5 exposure. So -- so therefore, it was kind of a
6 no-brainer.

7 Q. What about exhibit -- or item 15?

8 A. This had to do with allegation of benzene
9 causing non-Hodgkin's lymphoma.

10 Q. Do you believe that benzene can cause
11 non-Hodgkin's lymphoma?

12 A. No, I do not believe that it can.

13 Q. Number 17, once again, chemicals did not cause
14 cerebral NHL?

15 A. That's correct. This was -- in one of these
16 cases, I think there were either two -- maybe there
17 were two patients in this; and in one of them, the
18 records clearly showed that the patient had the
19 cerebral lymphoma before he went to work in this
20 company. And I don't recall the details of the other
21 case, but I don't believe that benzene exposure causes
22 non-Hodgkin's lymphoma whether is in the brain or
23 anywhere else.

24 Q. What was the disease in item 18?

25 A. That was acute lymphoblastic leukemia, ALL.

1 Q. What were the details of case 19?

2 A. The details of that, this was a ship captain,
3 as I remember. And he had what I thought, by looking
4 at the mirror, was a myeloproliferative disease. Of
5 course, myeloproliferative disease can eventuate into
6 AML, as in our Mielke case. And he did develop AML.
7 But the allegation was that the basic process was
8 exposure to benzene, and I thought the basic process
9 was myeloproliferative disease, which is not benzene
10 related.

11 Q. Item 22?

12 A. I don't remember any of the details, but this
13 was a case of idiopathic sideroblastic anemia. Similar
14 to the one today. And I testified that there was no
15 known chemical causation. I don't remember anything
16 about the latency or anything else about this case.

17 Q. Has your testimony ever been excluded by any
18 court?

19 A. No.

20 Q. What about 23?

21 A. This was an AML case, but I -- I don't
22 remember any of the details about that one.

23 Q. 24, is that RARS --

24 A. That's also RARS.

25 Q. And your opinion was, just like in this case,

1 benzene cannot cause RARS, right?

2 A. Correct.

3 Q. What kind of exposure did that worker
4 experience?

5 A. I can't remember with any accuracy.

6 Q. 27 and 28 deal with CLL and NHL, right?

7 A. Yes.

8 Q. You believe benzene does not cause CLL or NHL?

9 A. Correct.

10 Q. And case number 30, the Cowey case, what
11 subtype of MDS did that person have?

12 A. It could have been RARS. I don't remember.
13 It was form of myelodysplasia all right. I just can't
14 remember the details of that.

15 Q. What about 31?

16 A. Can't remember details about that one either.

17 Q. So as we sit here today, you're unsure of the
18 subtype --

19 A. I'm unsure --

20 Q. -- of MDS?

21 A. -- of the subtype of these two cases.

22 Q. Number 34, do you believe benzene can cause
23 APML or APL?

24 A. There isn't really a lot of evidence for that.
25 I think it's possible.

1 Q. What about in 35? That person also had RARS,
2 right?

3 A. Yes.

4 Q. What was the nature of exposure in that cause?

5 A. I don't remember the details of that.

6 Q. Do you remember who deposed you in that case?

7 A. No.

8 Q. 36, the Minnehan case?

9 A. The famous Minnehan case. That was an
10 inversion 16 case.

11 Q. And so whenever someone has inversion 16, that
12 means it's not benzene related?

13 A. It's never been reported in the world's
14 literature and so it makes it unlikely. In other
15 words, I can't prove what it didn't. But I would say
16 in all medical probability, it's not likely to happen.

17 Q. 42, what were the various lymphoproliferative
18 disorders?

19 A. There must have been multiple defendants in
20 this case, but they were either CLL or non-Hodgkin's
21 lymphoma.

22 Q. And what about 43, benzene as a cause of AML?

23 A. I don't remember the details of that.

24 Q. 44, again, CLL?

25 A. That was a CLL.

1 Q. Again, you don't believe that was caused by
2 benzene?

3 A. Correct.

4 Q. Same with 45?

5 A. Same with 45.

6 Q. Does CLL have subtypes?

7 A. Well, just like there is a risk assessment,
8 low risk, high risk, intermediate risk, CLL has that.
9 We have certain markers that we apply to CLL. One is
10 called CD-38 and the other is ZAP-70. And with those
11 prognostic markers, you can predict what their course
12 is going to be. So I would say, yes, there probably
13 are subcategories of CLL.

14 Q. And in opining against causation in the two
15 CLL cases, 44 and 45, did you rely on that further
16 stratification or that additional subtyping?

17 A. No. I'm not certain that either one of these
18 people had that subtitle. I don't recall that in any
19 event.

20 Q. 46, that was also an NHL and CLL case?

21 A. Well, 46, one of them has Hodgkin's disease
22 and the other one has chronic lymphocytic leukemia; and
23 I don't think either of those illnesses are going to be
24 benzene related.

25 Q. Let me finish this one and we'll take a break.

1 A. Yeah.

2 Q. 47 is an AML case?

3 A. Yes.

4 Q. What was the problem with causation in that
5 case?

6 A. Well, that's an 8 to 21 translocation case;
7 and 95 percent of those cases are idiopathic and 5
8 percent are chemical related. So, yes, it's
9 theoretically possible that benzene could cause it, but
10 in all medical probability, it would be pretty weak.
11 And this person had very limited exposure.

12 Q. What about 48?

13 A. 48 -- interesting. I guess that's -- I don't
14 remember the name, but that's a Dallas -- case in
15 Dallas that actually went to trial. And I think --
16 could that be taken -- I can't remember if that's
17 page -- is that right?

18 Q. Yes.

19 A. I don't -- too many cases, I don't remember
20 all the details of that. But anyway, I mean, we --
21 that case was won by the defendants.

22 Q. 49, I'm familiar with. You testified against
23 causation for Mr. Mielke, right?

24 A. Yes.

25 Q. Number 51, this was your most recent

1 deposition?

2 MR. PARKS: I've got the updated list. I
3 gave you a copy of it. It has two more on it.

4 A. Okay.

5 Q. (BY MR. PATTON) What was the problem with 51
6 in terms of benzene as a causative factor?

7 A. Well, it wasn't really clear this man had any
8 benzene exposure at all. He worked as sort of a
9 roustabout on a ship and -- and he mainly lowered --
10 lowered cables down on a rigging and pulled them back
11 up; and it was very hard to figure out where in the
12 world he got any benzene. They had no benzene on the
13 boat anyplace. And also, the exposure was -- alleged
14 exposure was extraordinarily remote to his AML.

15 Q. And then 52?

16 A. Yes.

17 Q. What was the problem with causation? Well,
18 first of all, what was that disease?

19 A. Well, he had -- he had RARS that involved into
20 erythroleukemia; but this man had like about an 80-pack
21 year history with smoking and smoking is related to
22 RARS. And his alleged exposure to benzene were
23 extremely remote to the diagnosis. I can't remember
24 how, but they were many years remote.

25 Q. Who deposed you in that case?

1 A. I don't remember.

2 Q. And 53, was that a benzene case?

3 A. Yeah. That's a benzene case that has to do
4 with a man who worked in a bagging factory.

5 Q. And you testified on behalf of Ashland in that
6 case?

7 A. Yes.

8 Q. You've testified on behalf of Ashland in the
9 Wilson case, in the Vettrus case, number 53; you also
10 testified in behalf of Ashland in item 49, the Mielke
11 case.

12 Are there other cases that you testified on
13 behalf of Ashland for?

14 A. I wouldn't know because many of these cases,
15 as you well know, they'll have 125 defendants and I
16 don't pay any attention to who those are. I look at
17 the facts of the case.

18 Q. In either event, when you take the 53 cases
19 you've testified in since 1992, 31 of those dealt with
20 benzene and you testified against causation in each of
21 those 31 cases, correct?

22 A. Correct.

23 MR. PARKS: Objection. Form.

24 Q. (BY MR. PATTON) Did you testify in any of
25 those cases that benzene was a possible causative

1 factor in the worker's disease?

2 A. I'm sure in all of those cases if I wrote a
3 report, I said what I normally say, is that in no form
4 of leukemia can we be absolutely certain as to the
5 cause, but we're looking at probabilities, which is
6 more probable. And -- so I -- I -- certain I didn't
7 say benzene could not possibly cause this acute
8 leukemia.

9 Q. For the Wilson case, are you looking at
10 benzene as a possible cause in terms of a black and
11 white type of picture or there's just no way benzene
12 causes RARS or is it a little more gray to you, that
13 benzene is a possible cause, however, it's just not
14 strong enough for you to have that opinion?

15 MR. PARKS: Objection. Form.

16 A. I would say it's a black-and-white issue. In
17 other words, there have been enough papers looking at
18 this issue in populations with heavy exposure to
19 benzene to know that it's just simply not --

20 Q. (BY MR. PATTON) Did Strom look at populations
21 heavily exposed to benzene?

22 A. I don't know how heavily those patients were
23 exposed.

24 Q. For record, Exhibits 1 through 42, plus 43
25 through 46, are the references from your report, plus a

1 couple hematology texts, correct?

2 A. Yes. I think there's one reference we didn't
3 cover that I added, I think, at the very end, the
4 Silver reference. I don't think we discussed that.

5 Q. What's the value of the Silver reference?

6 A. Well, it has to do with a conference on
7 myelodysplasia, myeloproliferative diseases and the
8 importances that -- talking about frequency and
9 causation of these illnesses. And the experts,
10 including Dr. Bennett, who invented this
11 classification, said very few instances of
12 environmental toxins have been identified.

13 MR. PATTON: All right. Let's take a
14 short break. Off the record.

15 (Break.)

16 Q. (BY MR. PATTON) Dr. Natelson, you reviewed the
17 report and the deposition of Dr. Infante, correct?

18 A. Yes. I don't recall the deposition but I
19 think I read his report but it's been sometime ago I
20 looked at it; but whatever is here, I looked at it.

21 Q. What criticisms, if any, do you have of
22 Dr. Infante's opinions in this case?

23 A. Well, he's testifying as an epidemiologist,
24 and he is not familiar with refractory anemia and
25 ringed sideroblasts from a treatment or diagnosis or

1 clinical interaction standpoint. So he's looking at --
2 when he looks at numbers, he's looking at it from a
3 totally different aspect than I am.

4 Q. But he looks at it in terms of the body of
5 benzene literature, so to speak, dealing with benzene
6 and MDS rather than dealing very often with benzene and
7 the subtype of RARS; fair statement?

8 A. Well, as I've said, there is no -- there is no
9 epidemiologic evidence in the world's literature that
10 suggests that the RARS can be formed from benzene; but
11 as to critiquing his comments, it would be better for
12 another epidemiologist to do that. In other words,
13 I -- I'm more comfortable talking about what the
14 treating physician says because we're both treating
15 physicians.

16 Q. But isn't there sufficient evidence in the
17 literature that benzene causes MDS when not viewed by
18 subtype?

19 A. Well, not according to this large expert panel
20 of people; but as I've said, I accept that it does by
21 analogy with the chemotherapy literature; but according
22 to many experts in the field, one can't do that if it
23 has to stand on its own.

24 Q. All of the chemotherapy literature related to
25 MDS doesn't necessarily look at chemotherapy as a cause

1 of, say, RARS or a cause of RAEB; true?

2 A. Well, I think frequently it does, because
3 chemotherapy literature, you're talking about people
4 who get exquisite follow-up. And all of those people
5 have had bone marrows; and certainly if RARS were --
6 were what one saw, one would know that, and we don't
7 see that. I've seen many people with
8 chemotherapy-induced acute leukemia and MDS, and I
9 haven't seen any with refractory anemia and ringed
10 sideroblasts.

11 Q. You have seen people reflected in the
12 literature who have MDS and which was caused or believe
13 to be caused by benzene exposure; fair statement?

14 A. Say that again.

15 Q. You would agree with me that there are
16 instances in the literature where benzene was shown to
17 cause MDS, right?

18 A. Yes.

19 Q. And that points us to the conclusion that
20 benzene caused a given person or a handful of people,
21 however many people, it caused their MDS; fair
22 statement?

23 A. That's a strong possibility, yes.

24 Q. Okay. And there's also at least a possibility
25 that in some of those case instances where it wasn't

1 looked at as -- where it wasn't examined by subtype,
2 that the person could have had RARS, which is the type
3 of MDS reflected in the study, and that was caused by
4 benzene?

5 MR. PARKS: Objection. Form.

6 Q. (BY MR. PATTON) It's at least possible?

7 A. I have no idea, in other words, we have a
8 enough cases -- as far as I am concerned, we have
9 enough cases that were studied to suggest that's not
10 going to happen.

11 Q. What about Dr. Aparent? Did you review his
12 report and/or his deposition?

13 A. I -- what -- I'm not certain if I did or
14 didn't.

15 Q. Dr. Aparent was a toxicologist retained on
16 behalf of the plaintiff in this case. Did you review
17 his report or his deposition?

18 A. I may have looked at it. I can't recall any
19 details of that.

20 Q. Besides Exhibits 1 through 42, plus the
21 handful of other pieces of authority as I've been
22 calling them, are there any other -- is there any other
23 peer reviewed literature or any other authority upon
24 which you may rely on in expressing your opinions
25 against causation in the trial of this case?

1 MR. PARKS: Objection. Form.

2 A. No. I think in terms of literature, my
3 article and these references are sufficient.

4 Q. (BY MR. PATTON) Are these the same references,
5 generally speaking -- obviously with the exception of
6 Strom because of the year -- are these the same
7 references that you relied on in your earlier MDS/RARS
8 cases?

9 A. Some of these certainly would be, yes.

10 Q. Did you draft the report all by yourself?

11 A. Yes.

12 Q. Did anyone help you in terms of looking for
13 literature or typing?

14 A. No.

15 Q. Are there any other copies of your report?

16 A. No. I mean, have it on my computer at home.
17 That's it.

18 MR. PATTON: Thank you for your time. I
19 know Mr. Parks has a couple questions for you so we'll
20 go from there.

21 E X A M I N A T I O N

22 BY MR. PARKS:

23 Q. Dr. Natelson, I'm Kevin Parks representing
24 Ashland in this case. And I just want to ask you a few
25 questions about some of the testimony you've provided

1 to Mr. Patton today.

2 He asked you several questions about the
3 reasonableness and necessity of Mr. Wilson's medical
4 treatment. Do you recall those questions?

5 A. I don't recall in detail what they were.

6 Q. But you recall testifying about that?

7 A. Yes.

8 Q. And when he was asking you about the
9 reasonableness and necessity of Mr. Wilson's future
10 treatment, you were talking -- your answers were in
11 terms of what form of the treatment he would likely
12 need in the future as opposed to the reasonableness and
13 necessity of any expenses for those treatments?

14 A. Right. The expenses are not my field. Many
15 treatments for hematologic diseases are very expensive.

16 Q. And, in fact, you've mentioned to me that the
17 charges reflected in Dr. Haas' medical records appear
18 to be excessive, though you would have to go do some
19 investigation on billing expenses to really opine on
20 that?

21 MR. PATTON: Objection. Form.

22 A. Well, it's a little bit of a game. In other
23 words, when you have a service done at a hospital,
24 there is a very large charge applied. Then the
25 insurance company gives another figure, which is

1 usually about a third as much as the -- what they
2 allow; and then there's a lesser figure of what they're
3 going to pay.

4 So when you finally get into what gets paid
5 for a service, it seems to bear very little
6 relationship to the original charge, which is very
7 high; but that's not my field but it just an
8 observation.

9 Q. (BY MR. PATTON) Okay. You also reviewed
10 Dr. Haas' recent testimony in relation to this case,
11 and do you recall where he talked about the Wintrobe
12 text?

13 A. Yes.

14 Q. And Dr. Haas indicated that he relied on the
15 Wintrobe text for his belief that benzene can cause
16 MDS?

17 A. Yes.

18 Q. And, in fact, didn't you -- or have you gone
19 and looked at the Wintrobe text and brought some of
20 those excerpts with you today as far as observations
21 made in that text on causation and RARS?

22 A. Yes.

23 Q. And is there a separate section of that text
24 that deals with causation of RARS?

25 A. Yes. A subset. There's a chapter that has to

1 do with sideroblastic anemia; and then within that
2 chapter, RARS is a subheading.

3 Q. And in the Wintrobe text, is benzene
4 identified as a potential cause of RARS?

5 A. No.

6 Q. The article that you've written that is
7 planned for publication, when -- when do you expect
8 that will be published?

9 A. Well, it's listed as November -- in the
10 November edition.

11 Q. And was that article peer reviewed?

12 A. Yes.

13 Q. What was your purpose in writing that article?

14 A. Well, my purpose was this: I don't think this
15 is a question that has been discussed much in the
16 hematologic literature. In other words, there are a
17 number of articles out there that deal with the
18 subject; but I don't think anybody, since the time of
19 Dr. Damashek, has written a general discussion about
20 this situation.

21 Q. And by this general subject, do you mean
22 whether benzene can cause RARS?

23 A. Yes.

24 Q. And what is the conclusion in your article?

25 A. Well, my conclusion is that there's no

1 epidemiologic evidence or case report data to suggest
2 that benzene can cause RARS and considerable data that
3 would suggest that it can't.

4 Q. Mr. Patton also asked you questions, for
5 instance, as to whether benzene can cause AML?

6 A. Yes.

7 Q. And you agreed that it could?

8 A. Yes.

9 Q. And then he asked you questions about the fact
10 that RARS can develop into AML as the natural -- in the
11 natural history -- in the course of the disease, if you
12 will?

13 A. Yes.

14 Q. But if you have a patient who has been
15 diagnosed with RARS who then develops AML, wouldn't you
16 rule out benzene as a potential cause because you know
17 that benzene does not cause RARS?

18 A. Both situations. We know that AML is the
19 natural progression of a number of cases of RARS, and
20 we also know that there is no relationship between
21 benzene and RARS. So if a person with RARS develops
22 AML, I would think that's just simply the natural
23 progression of the illness.

24 Q. So even if Mr. Wilson does proceed on to
25 develop AML, your opinion would remain that benzene did

1 not cause that?

2 A. That's correct.

3 MR. PARKS: Thank you for your time.

4 I'll pass the witness.

5 F U R T H E R E X A M I N A T I O N

6 BY MR. PATTON:

7 Q. Dr. Natelson, what is the multiple hit theory
8 as it relates to bone marrow diseases?

9 A. Well, the notion is that, for example, someone
10 gets, let's say, damage to a chromosome. It may be
11 minor damage or major damage. And that changes the
12 milieu, for example. It produces a growth factor or a
13 growth factor that has a certain advantage and starts
14 proliferating cells in the bone marrow; and then a
15 second genetic event occurs which takes those
16 proliferating cells and makes them leukemic.

17 And so it takes multiple hits to drive a
18 normal bone marrow to a leukemic bone marrow because of
19 the fact that the bone marrow has tremendous reparative
20 processes. And it's very difficult to -- to sustain
21 damage in the bone marrow because of the fact that even
22 chromosome defects can be repaired.

23 Q. Would you agree with me that some individuals
24 are more susceptible or more likely to take those hits
25 to the bone marrow, so to speak, a little harder than

1 others?

2 A. That's theoretically possible, yes.

3 Q. Is it theoretically likely, as far as the
4 concept of susceptibility goes, for people who have
5 different forms of cancer. Some people are more likely
6 to get cancer than others for one reason or another,
7 right?

8 A. That is so. We don't know the reasons for
9 that. But that is true, that in some instances there's
10 genetic predisposition, but -- but those -- the
11 research in that is really in its infancy.

12 Q. But you agree with me that some people have a
13 genetic predisposition to be more susceptible to
14 contracting, say, leukemia or a bone marrow disease
15 from exposure to benzene than other people would be;
16 fair statement?

17 A. Well, certainly. I don't know the answer to
18 that. I would say it's quite -- it's possible. I
19 don't know that for a fact.

20 MR. PATTON: All right. Thank you for
21 your time.

22 MR. PARKS: Reserve the rest of our
23 questions until the time of trial.

24 MR. PATTON: And for the record, can we
25 have the agreement, Kevin, that you will, for cost

1 sake, take the exhibits, copy them, return the original
2 to Dr. Natelson, send me a copy within, say, five days;
3 is that fair?

4 MR. PARKS: That's fair.

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 WITNESS CORRECTIONS AND SIGNATURE

2 Please indicate changes on this sheet of paper,
giving the change, page number, line number and reason
3 for the change. Please sign each page of changes.

4 PAGE/LINE CORRECTION REASON FOR CHANGE

5 _____

6 _____

7 _____

8 _____

9 _____

10 _____

11 _____

12 _____

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 _____

20 _____

21 _____

22 _____

23 _____

DR. ETHAN NATELSON

24

25

S I G N A T U R E O F W I T N E S S

I, DR. ETHAN NATELSON, solemnly swear or affirm
under the pains and penalties of perjury that the
foregoing pages contain a true and correct transcript
of the testimony given by me at the time and place
stated with the corrections, if any, and the reasons
therefor noted on the foregoing correction page(s), and
that I am signing this before a Notary Public.

DR. ETHAN NATELSON

STATE OF T E X A S *

COUNTY OF _____ *

SUBSCRIBED AND SWORN TO BEFORE ME BY

_____ on this, the _____ day of

_____, 2007.

Notary Public, State of Texas

My Commission Expires: _____

Job 2-64038

1 THE STATE OF TEXAS :
COUNTY OF HARRIS :

2 I, DENYCE SANDERS, a Certified Shorthand
Reporter and Notary Public in and for the State of
3 Texas, do hereby certify that the facts as stated by me
in the caption hereto are true; that the above and
4 foregoing answers of the witness, DR. ETHAN NATELSON,
to the interrogatories as indicated were made before me
5 by the said witness after being first duly sworn to
testify the truth, and same were reduced to typewriting
6 under my direction; that the above and foregoing
deposition as set forth in typewriting is a full, true,
7 and correct transcript of the proceedings had at the
time of taking of said deposition.

8 I further certify that I am not, in any
capacity, a regular employee of the party in whose
9 behalf this deposition is taken, nor in the regular
employ of this attorney; and I certify that I am not
10 interested in the cause, nor of kin or counsel to
either of the parties.

11
12 That the amount of time used by each party at
the deposition is as follows:

13 Mr. Keith Patton - 02:28:54

14 Mr. Kevin Parks - 00:05:48

15 GIVEN UNDER MY HAND AND SEAL OF OFFICE, on
this, the 25th day of September, 2007.

16
17
18 _____
DENYCE SANDERS, CSR, RPR
Notary Public in and for
19 Harris County, T E X A S

20
21 My Commission Expires: 4-6-09
Certification No.: 4038
22 Expiration Date: 12-31-07
U.S. LEGAL Support, Inc.
23 363 N. Sam Houston Parkway, 9th Floor,
Houston, Texas 77060; 713.653.7100
24 Firm No. 122
25