

CAUSE NO. A-167,693

JAMES COWEY AND RUTH IN THE DISTRICT COURT
COWEY

PLAINTIFFS,

VS. JEFFERSON COUNTY, TEXAS

COMPANY, ET AL

DEFENDANTS.

58TH JUDICIAL DISTRICT

ORAL AND VIDEOTAPED DEPOSITION OF
RICHARD D. IRONS, Ph.D.
NOVEMBER 21, 2003

ORAL AND VIDEOTAPED DEPOSITION OF RICHARD D. IRONS,
Ph.D., produced as a witness at the instance of the
DEFENDANTS, and duly sworn, was taken in the
above-styled and numbered cause on the 21st of November,
2003, from 9:40 a.m. to 1:19 p.m., before Kathy Miller,
CSR in and for the State of Texas, reported by machine
shorthand, at the law offices of Fulbright & Jaworski,
1301 McKinney, Suite 4400, Houston, Texas, pursuant to
the Texas Rules of Civil Procedure and the provisions
stated on the record or attached hereto.

1

2

3 FOR THE PLAINTIFFS: MR. LANCE LUBEL
4 MR. ROBERT BLACK HEARD , R O B I N S , C L O U D , L U B E L
5 & G R E E N W O O D
6 910 TRAVIS STREET, SUITE 2020 HOUSTON, TEXAS 77002
A P P E A R A N C E S

6

7 FOR THE DEFENDANTS UNITED STATES STEEL CORPORATION, ARISTECH
 CHEMICAL CORPORATION AND USX CORPORATION:

8 MR. STEPHEN C. DILLARD
 FULBRIGHT & JAWORSKI, LLP
9 1301 MCKINNEY, SUITE 5100
 HOUSTON, TEXAS 77010

10

11 FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:
15 MR. JAMES M. RILEY

12 COATS ROSE
 1001 FANNIN, SUITE 800
13 HOUSTON, TEXAS 77002-6707 14
 ALSO PRESENT:

 MR. ROY LANGLEY, VIDEOGRAPHER

INDEX

	PAGE
Appearances	2
RICHARD D. IRONS, Ph.D.	
Examination by Mr. Dillard	4
Examination by Mr. Lubel	56
Examination by Mr. Riley	121
Re-Examination by Mr. Dillard	123
Re-Examination by Mr. Lubel	128
Signature and Changes	130
Reporter's Certificate	132

EXHIBITS

NO.	DESCRIPTION	PAGE
1	Curriculum Vitae	4
2	Black binder containing various articles	123
3	"Bone Marrow Failure Syndromes," by Neal S. Young	123
4	"Establishing the Incidence of Myelodysplastic Syndrome" by P.J. Williamson et al	123
5	"2'3'-Dideoxycytidine-Induced Thymic Lymphoma Correlates with Species-Specific Suppression of a Subpopulation of Primitive Hematopoietic Progenitor Cells in Mouse but Not Rat or Human Bone Marrow," by Richard D. Irons, Et al	123
6	"Clinical-Cytogenetic Associations in 306 Patients with Therapy-Related Myelodysplasia and Myeloid Leukemia: The University of Chicago Series"	123

1 (Exhibit 1 was marked.)

2 THE VIDEOGRAPHER: This videotaped
3 deposition of Dr. Richard Irons is being taken on
4 November 21, 2003. The time is approximately 9:45 a.m.
5 We're now on the record.

6 MR. DILLARD: Good morning, Dr. Irons.
7 Would you raise your hand and be sworn by our court
8 reporter?

9 RICHARD D. IRONS, Ph.D.,
10 having been first duly sworn, testified as follows:

11 EXAMINATION

12 BY MR. DILLARD:

13 Q. Tell us your name, please, sir.

14 A. Richard D. Irons.

15 Q. Where do you live, sir?

16 A. In Boulder, Colorado.

17 Q. And what is your occupation or profession?

18 A. I am a toxicologist and a pathologist.

19 Q. How are -- I was going to ask you how you're
20 employed. Where are you employed?

21 A. I am employed at the University of Colorado
22 Health Science Center in Denver, and at Fudan University
23 in Shanghai, China.

24 Q. And how long have you been employed by the
25 University of Colorado Health Science Center in Denver?

1 A. Since 1989. So, approximately 14, 15 years.

2 Q. You also mentioned another university in
3 Shanghai, China, and I am not sure I can pronounce the
4 name. Would you say it again?

5 A. Fudan.

6 Q. Fudang?

7 A. "Don."

8 Q. Fudan. How long have you been employed by
9 Fudan Medical Center in Shanghai, China?

10 A. I have been a member of the faculty there for
11 approximately three years.

12 Q. You mentioned that you are a toxicologist?

13 A. Yes, sir.

14 Q. What is toxicology?

15 A. Toxicology is the science of poisons, or the
16 adverse effects of drugs and chemicals.

17 Q. You mentioned that you are a pathologist?

18 A. Yes.

19 Q. What is pathology?

20 A. Pathology is the study of the development of
21 diseases and the laboratory diagnosis of diseases.

22 Q. What do you do at the University of Colorado
23 HealthScience Center?

24 A. I am Professor of Toxicology in the School of
25 Pharmacy. I am Professor of Pathology in the School of

1 Medicine. I am Director of the Cancer Causation
2 Prevention Program in the Comprehensive Cancer Center at
3 the University of Colorado.

4 Q. I want to get back to that more in just a
5 moment, but first I'd like to ask you about your
6 educational background. If you would tell the jury
7 first of all where you attended school after -- after
8 high school.

9 A. Raymond College, University of the Pacific.
10 did my undergraduate studies in chemistry, political
11 science, and liberal arts.

12 Q. And then what additional formal education did
13 you receive?

14 A. I took graduate training and a masters in
15 medical technology at University of California San
16 Francisco. I then went to the University of Rochester
17 School of Medicine, and obtained a Ph.D. in toxicology.
18 Following that, I did three years of fellowship in
19 pathology at Strong Memorial Hospital, training in
20 general pathology and clinical pathology.

21 Q. Let's talk about -- and excuse me, what year
22 did you receive your -- your Ph.D. and your doctorate in
23 toxicology?

24 A. 1974, I believe.

25 Q. And then you said you completed three years of

1 additional training --

2 A. That's correct.

3 Q. -- in pathology?

4 A. Yes.

5 Q. Let's talk about your professional experience
6 after you completed your formal education and the
7 additional three years of training. If you would start
8 in 1976 or '77, and just bring us up to the present
9 time, discussing it briefly, please.

10 A. From 1977 until 1988, I was a scientist and
11 senior scientist at the Chemical Industry Institute of
12 Toxicology in Research Triangle Park, and a member of
13 the faculty of Duke University.

14 Q. What did you teach at Duke University?

15 A. Toxicology and some pathology.

16 Q. How long did that tenure last?

17 A. Until I went to the University of Colorado in
18 1988, '89.

19 Q. Where you have been ever since?

20 A. Yes.

21 Q. Are you certified or licensed as a specialist
22 in your field?

23 A. Yes. I am board certified by the American
24 Board of Toxicology. I'm certified as a clinical
25 laboratory scientist in the State of California, and as

1 a laboratory director in China.

2 Q. How does one become board certified in
3 toxicology?

4 A. You have training. You have to have training
5 that is -- that qualifies you for sitting for the board
6 exams. You apply to take the exam, and if admitted then
7 you take an examination over a two-day period. It's
8 then renewed every five years by exam.

9 Q. How long have you been board certified in the
10 field of toxicology?

11 A. I believe since 1980, although I'm not
12 positive. First -- yes, first examination was in 1980.

13 Q. And then you take a recertification every five
14 years?

15 A. Yes.

16 Q. Tell the Ladies and Gentlemen of the Jury some
17 of the professional organizations and societies of which
18 you are a member.

19 A. I'm a member of the Society of Toxicology.

20 I'm a member of the Association of Investigative

21 Pathology, Association -- American Society of

22 Hematology, the International Society of Experimental
23 Hematology, among others.

24 Q. At least one or two of those organizations
25 contain the name -- or the word "hematology" in it.

1 What is hematology?

2 A. Hematology is the branch of science and
3 medicine that deals with diseases of the blood and bone
4 marrow.

5 Q. You're not a medical doctor?

6 A. No.

7 Q. Let's talk about your teaching experience.

8 Can you describe for the Ladies and Gentlemen of the
9 Jury, give us an overview of your teaching experience?

10 A. Depending upon the positions that I've held,
11 I've taught numerous subjects. Currently I teach
12 immunology. I lecture in toxicology, and I lecture in
13 hematopathology, which is the branch of pathology that
14 is specifically -- that specifically deals with the
15 diagnosis of diseases of the blood.

16 Q. And bone marrow?

17 A. Bone marrow, yes, that's correct.

18 Q. And what are your primary areas of
19 professional interests and research?

20 A. My principal areas of research are on the
21 pathogenesis, studying the -- the development and cause
22 of diseases of the blood and immune systems, and in the
23 differential diagnosis of those diseases. So, looking
24 at the effects of drugs and chemicals on the development
25 of those diseases as well as in the diagnosis of those

1 diseases.

2 Q. Let's talk a moment about your professional
3 writing and editorial experience. Can you tell the
4 Ladies and Gentlemen of the Jury some of the
5 professional journals on whose boards you've served,
6 whose editorial boards you've served?

7 A. Quite a few: Certainly the Journal of
8 Toxicological Sciences, Toxicology and Applied
9 Pharmacology, Journal of Experimental Pathology, several
10 others.

11 Q. Have you published articles in -- in one or
12 more of these journals and in other professional
13 scientific journals?

14 A. Yes.

15 Q. Approximately how many articles, books, and
16 book chapters have you published in professional
17 publications, including professional journals?

18 A. I think on the order of 100 -- probably 140
19 peer-reviewed papers and journals, and probably between
20 ten and 20 book chapters or books.

21 Q. You said peer reviewed. What does that mean?

22 A. The basic process that scientists use for
23 publication of original research is to publish in
24 journals that basically review manuscripts that are
25 submitted to them by having them reviewed by independent

1 scientists; and if they satisfy that review process, a
2 review of peers, then they're published.

3 So, peer review means research and the product
4 of research papers that are reviewed by independent
5 scientists and then accepted for publication.

6 Q. Have you served as a peer reviewer on -- for
7 articles that obviously where you -- where you were not
8 the author?

9 A. Yes, many times.

10 Q. And what is the process there for peer review?

11 A. Well, the process is that if you submit an
12 article for publication at any of the major scientific
13 or medical journals, then those papers are then
14 forwarded to independent scientists who review them for
15 meeting criteria with respect to rigor, appropriate
16 scientific methodology, appropriate interpretation of
17 results and conclusions, and may make some suggestions
18 on how to improve them; and through the journal and the
19 editorial office of the journal, you have an opportunity
20 to respond to those, and the ultimate product is, in
21 fact, a paper that's been accepted for submission
22 through this process of peer review.

23 Q. Have any of the professional articles, books,
24 or book chapters that you have authored dealt with
25 subjects including benzene, benzene toxicology, the bone

1 marrow, bone marrow toxicology, the causation of
2 diseases of the blood, and blood forming organs?

3 A. Yes.

4 Q. Approximately how many?

5 A. Certainly 80, probably between 80 and 90.

6 Q. And over what period of time?

7 A. Basically since 1977, so the last 30, 35
8 years.

9 Q. I am going to show you what's been marked as
10 Exhibit 1, and ask you if that is a correct copy of your
11 current resume?

12 A. Yes. I think so.

13 Q. And does that list these various articles,
14 books, and book chapters that you have authored?

15 A. Yes.

16 Q. As well as your work experience, your
17 educational experience, the training you've received,
18 the teaching experience that you've described for us?

19 A. Yes.

20 Q. Okay. Thank you.

21 Dr. Irons, today is November 21, 2003, and
22 we're here taking your deposition. When this testimony,
23 this videotaped testimony, is presented to the jury in
24 this case in December of this year, where will you be at
25 that time, sir?

1 A. I will be in Shanghai, China.

2 Q. For what purpose?

3 A. I am the director of a joint clinical and
4 molecular laboratory in Shanghai that currently has
5 responsibility for diagnosing diseases of the blood and
6 immune system for 23 hospitals in Shanghai; and during
7 the month of December I am on call, so I have to be
8 there.

9 Q. What is your specific involvement with that
10 project?

11 A. The laboratory currently supports a series of
12 studies referred to as the Shanghai Health Project that
13 I am principal investigator for, and that is a project
14 that is involved in characterizing the cause and
15 pathogenesis of all hematopoietic and lymphoid diseases
16 that present in Shanghai hospitals over the course of a
17 five-year period; and also is looking directly at the
18 effects of occupational exposure to benzene in the
19 development of diseases of the blood and bone marrow.

20 Q. What is a hematopoietic or lymphoid disease?
21 What is meant by that?

22 A. Lymphoid diseases are diseases of the immune
23 system and lymphocytes. The major disease entity that
24 we usually are involved in looking at is lymphoma.

25 Q. And what is a hematopoietic disease?

1 A. Hematopoietic is a disease of the blood, blood
2 forming organs. So hemo means blood, and so
3 hematopoietic really is a technical term for diseases of
4 the blood and blood forming organs.

5 Q. Are you familiar with the category of diseases
6 known as myelodysplastic syndromes?

7 A. Yes, I am.

8 Q. Would those qualify as diseases of the blood
9 or blood forming organs?

10 A. Most certainly.

11 Q. Who are the major participants in the study
12 that's being conducted in China?

13 A. The prin -- the study's being conducted
14 through a collaboration between the University of
15 Colorado Health Sciences Center, Fudan University in
16 Shanghai, the Shanghai branch of the Chinese C.D.C., and
17 the Institute of Public Health Supervision, which is the
18 Shanghai -- a -- the Chinese equivalent of OSHA,
19 Occupational Safety and Health Administration.

20 Q. Many of us may not be familiar with -- with
21 Shanghai, the city where this work is being conducted.
22 Is that a rather large city?

23 A. Shanghai is a city of 17 million people.

24 Q. How would that compare to, say, New York City
25 that some of us would at least be familiar with?

1 A. New York, if you take the greater -- the
2 greater New York metropolitan area, it's probably a
3 little larger; but New York itself is smaller. So,
4 Shanghai is a very, very large city.

5 Q. How long has this study been underway?

6 A. It's been three years in development. We've
7 been actively supporting diagnosis in Shanghai since
8 July. We have approximately another four years to go on
9 the study itself. The laboratory which is supporting
10 the study and diagnosis is also intended to continue in
11 perpetuity as a clinical laboratory after the study is
12 complete.

13 Q. When you say you have been supporting the
14 diagnosis, what -- what do you mean by that?

15 A. Part of the agreement and collaboration that
16 we're involved in is that during the time of the study,
17 during the period of time where the actual clinical
18 research is being conducted, the laboratory will also be
19 the principal laboratory supporting the diagnosis of
20 blood and lymphoid diseases for the 23 participating
21 hospitals in Shanghai.

22 Q. And are you involved in that process of
23 diagnosing?

24 A. Yes, I'm the director of the laboratory.

25 Q. Who do you report to? Who is overseeing the

1 study?

2 A. The -- the study oversight is performed by two
3 international committees. One is an external scientific
4 review panel that's made up of physicians and scientists
5 from around the world who have specific specialization
6 in areas that are covered under the study; and so from a
7 scientific standpoint I report to them in terms of
8 conduct, procedures and operations. There's also an
9 international ethics panel, review panel, that I'm
10 responsible to in terms of meeting the clinical criteria
11 and clinical ethical standards that are defined both by
12 U.S. and Chinese law.

13 Q. Who is sponsoring this study?

14 A. The study is sponsored through international
15 consortium that is comprised of the five major petroleum
16 companies worldwide.

17 Q. And how is independence from the -- of the
18 study from the sponsors maintained?

19 MR. LUBEL: Objection, form.

20 A. Well, as I said, the actual reporting line of
21 chain of command, if you will, is that I report to these
22 independent panels with respect to the operation of the
23 study. A 1 1 clinical trials, on an international basis
24 in general, but specifically in the United States,
25 whether they're performed by government agencies as

1 sponsors, or pharmaceutical companies, or anyone else,
2 are governed under a set of laws and rules that are
3 established by the U.S. National Institutes of Health
4 and the Food and Drug Administration through an office
5 that's called the Office of Human Research Protection.
6 So, all clinical trials have to meet standards of
7 independence and regulations that are set forth in those
8 guidelines, and we meet those standards.

9 MR. LUBEL: Objection, nonresponsive.

10 Q. (BY MR. DILLARD) Dr. Irons, have we asked you
11 to address certain subjects in this case of Mr. James
12 Cowey?

13 A. Yes.

14 Q. What specific subject areas have we asked you
15 to address in this case?

16 A. You've asked me to address the toxicology of
17 benzene, and what's known about the effects of benzene
18 on biological systems and the development of disease;
19 specifically, diseases of the blood forming organs.
20 You've asked me to enunciate and to describe the
21 criteria that scientists use in determining causal
22 inference or the cause of disease associated with
23 different etiologies or exposure to individual agents.
24 You've asked me to evaluate the expert report of
25 Dr. Levy submitted in this case, and you've asked me to

1 render an opinion with respect to the likelihood that
2 Mr. Cowey's disease could have been caused or
3 contributed by his exposure to benzene.

4 Q. Okay, sir. I want to go into each of those
5 separately in a moment, but first I want to ask you what
6 in general you have reviewed in connection with your
7 work in this case?

8 A. I reviewed the materials that were given to me
9 associated with this case, the medical records of
10 Mr. Cowey, the depositions of Mr. and Mrs. Cowey, the
11 expert report of Dr. Levy. I've reviewed the scientific
12 and medical literature concerning the criteria for
13 determining causal inference or causation, the
14 literature concerning the development and pathogenesis
15 of the blood diseases that are at issue in this case.
16 And I've reviewed a report by Mr. Spencer.

17 Q. Okay, sir. Going back to the subjects that
18 you mentioned that you were asked to address, let's take
19 the first one. What was that again?

20 A. The toxicology of benzene, what's known about
21 the effects of benzene and the diseases that it causes.

22 Q. And what specifically have you done in that
23 regard, insofar as Mr. Cowey's case?

24 A. I reviewed that literature which I am familiar
25 with, in the context of what we know about the effects

1 of benzene on the blood and blood forming organs, and
2 specifically on the development of acute myelogenous
3 leukemia and myelodysplastic syndrome.

4 Q. Is it your understanding that Mr. Cowey has a
5 form of myelodysplastic syndrome?

6 A. Yes.

7 Q. What -- what form of myelodysplastic syndrome
8 do you understand that he has?

9 A. The specific subtype of MDS that Mr. Cowey has
10 been diagnosed with is called refractory cytopenia with
11 multilineage dysplasia, or RCMD.

12 Q. RCMD?

13 A. Yes.

14 Q. I want to talk more about RCMD in a moment.
15 The second subject area that we had asked you to address
16 again, sir, was what?

17 A. The criteria that scientists use in
18 establishing a causal relationship, or determining that
19 a causal relationship, in fact, exists between a given
20 etiology or cause and a disease. In this particular
21 case, the issues pertaining to specifically the exposure
22 to benzene and the development of hematopoietic
23 diseases.

24 Q. And when you say the -- the scientific
25 criteria that is used, what -- what do you mean by that?

1 A. There are several different paradigms or
2 patterns that have been described and adopted in the
3 past for use in formalizing criteria that scientists use
4 in determining causation. Science plays by rules and
5 it's necessary to apply a standard set of rules to
6 determining what is an appropriate level of evidence to
7 support a causal relationship between an agent or a
8 potential etiology and a specific disease. Several
9 different criteria have been set forth over the last
10 several decades. All of them basically meet the same
11 standards of criteria that are referred to variously as
12 Bradford Hill criteria, Henle-Koch Postulates, and more
13 recently Evidence Based Medicine. But they all
14 incorporate the same basic standards and criteria.

15 Q. Would you say that their -- these are rules,
16 did you say, that scientists go by?

17 A. Basically, yes.

18 Q. Why -- why is that important, to go by these
19 rules?

20 A. Because in the absence of setting up criteria
21 that can be generally agreed upon in the scientific and
22 medical communities, we have no basis for developing
23 standards to evaluate causation or to look at the
24 effects of exposure to agents on the development of
25 disease.

1 These criteria apply not only to causation of
2 disease, but also to evaluating treatment and the use of
3 drugs or other therapies in treating disease. So, the
4 same basic criteria are used in both situations to
5 ascertain the effects of exposure to drugs or chemicals
6 or other agents and the development of disease.

7 Q. If someone gets a disease and in their past
8 they may have had an exposure to something that is
9 associated with causing that disease, can you conclude
10 that that exposure necessarily caused that person's
11 disease?

12 A. No.

13 Q. Why not? Why isn't it that simple?

14 A. Well, because, first of all, as a
15 toxicologist, I have to consider the fact that there's
16 no such thing as no exposure. There's no such thing as
17 zero. We've all been exposed to an innumerable number
18 of agents in our lifetime at some level or another.
19 We've all been exposed to agents that have the potential
20 to cause different diseases, and the issue or the
21 question of whether or not a specific agent caused a
22 specific disease requires more than simply knowing that
23 under certain circumstances that agent may be associated
24 with development of disease.

25 Q. Well, how does science go about
 unraveling it

1 and getting to the bottom of it in an individual case?

2 A. One has to look at the criteria in the
3 peer-reviewed literature that relates to the
4 quantitative association of exposure to a given agent.

5 Q. What's -- now what's that mean now?

6 A. With respect to the amount of exposure, or the
7 dose that an individual has received, what is that dose?
8 And, with respect to the diseases that have been
9 associated with that agent, what are the specific
10 diseases that have been shown to be significantly
11 increased in terms of incidents, as a result of or
12 associated with specific exposures to that agent? So,
13 quantitative epidemiology, human studies, that
14 characterize the disease processes that are associated
15 with exposure to specific amounts of a given substance.

16 Q. Is this something in the published literature?

17 A. By definition, peer-reviewed quantitative
18 epidemiology.

19 Q. Is published?

20 A. Yes.

21 Q. Now, you referred to these scientific
22 principals earlier, Bradford Hill, and one other, and I
23 don't recall the name of it, but where are these set
24 forth, and how did they become the rules of what the
25 scientists go by in determining whether a particular

1 exposure may have caused a disease?

2 A. They have been published variously in the
3 published literature. They have been the subject of
4 many conferences and proceedings, and many different
5 bodies and organizations have adopted them in one form
6 or another. They've been described in numerous
7 publications in the past 40 years or so, 40 or 50 years.

8 Q. Did you note in reviewing Dr. Levy's report
9 that he himself refers to these rules?

10 A. Yes.

11 Q. And Dr. Levy, of course, for the benefit of
12 the -- of the jury, is the expert retained by the
13 plaintiff in this case?

14 A. That's my understanding.

15 Q. Focusing on the rules that you scientists go
16 by in determining whether a particular substance may
17 have caused a particular disease, can you -- can you
18 walk us through them? And I may have some questions as
19 we go through them, but can you walk us through them and
20 explain what these rules are that you follow in as
21 simple terms as you can for my benefit? Can you do
22 that?

23 A. Certainly. The first criteria that is an
24 absolute requirement in determining causal relationship
25 is the availability of peer-review quantitative

1 epidemiologic data, epidemiologic studies in humans that
2 provides strength of evidence, quantitative statistical
3 association between exposure and disease. That's the
4 first one.

5 A second criteria that is related to that is
6 if you have multiple studies that meet those criteria,
7 that there is consistency, or a general consistency,
8 among those studies. So that if you have more than one
9 study, do you see a consistent pattern or a predominant
10 pattern? Because each of these studies, especially
11 studies involving humans, vary with respect to their
12 design, populations they look at, precise exposure
13 conditions. So, under what conditions of exposure, and
14 what occupations, under what particular circumstances do
15 you see this pattern, and is it consistent?

16 The third deals with specificity, and
17 specificity is very important in how -- the conclusions
18 you reach based upon the data we've just discussed.
19 Specificity with respect to exposure. Do the studies
20 look at exposure to the specific agent that is in
21 question, or at issue, or do they look at exposure to a
22 variety of different agents, or can you tell whether or
23 not they are looking at a given exposure?

24 Also, specificity of disease. What are the
25 criteria that were used in measuring outcome in these

1 epidemiological studies and are they looking at the
2 specific diseases that are in question? Do they look at
3 a variety of different diseases? Are the criteria for
4 diagnosis the same or comparable? And it's not
5 appropriate, for example, to mix or match, to look at
6 exposure to one compound or chemical and assume that it
7 applies to all others; and it's also not appropriate to
8 look at a specific disease and then extrapolate to other
9 diseases that are not the same as the disease you're
10 looking at. So, specificity is a very important
11 criteria.

12 Another criteria that is important is the
13 issue of a temporal relationship, or the time frame that
14 surrounds the development of disease and exposure, and
15 this is important for determining whether the duration
16 of exposure is appropriate based upon our knowledge of
17 the pathogenesis of the disease, and whether or not the
18 exposure, for instance, preceded the development of
19 disease in some cases. So latency and temporal
20 relationships can be an important issue.

21 Another very important issue is the issue of
22 dose response.

23 Q. What is meant by dose response?

24 A. A cardinal tenet of toxicology is that the
25 response to exposure to a given drug or chemical is

1 going to be proportionate to the dose that the
2 individual received, that if you increase the dose, you
3 increase the response; you decrease the dose you
4 decrease the response. This applies to populations. It
5 also applies to individuals. The dose response is a
6 cardinal tenet of toxicology and medicine, and
7 epidemiologic studies which are really looking at
8 associations in large groups of individuals have a
9 primary requirement to -- to -- to characterize and
10 establish dose response in order to provide credibility
11 with respect to the relationships that they purport to
12 describe.

13 Q. I want to ask you some more about dose
14 response. What -- what does a scientist have to know
15 before you can say that an exposure caused a particular
16 disease?

17 A. Well, as I said, you have to understand what
18 the dose has been to which an individual has been
19 exposed, and whether that dose has been -- that dose has
20 been shown in quantitative studies to be associated with
21 the development of that disease. If the dose that an
22 individual receives is less than a dose range or range
23 of doses that's been shown to be associated with the
24 development of that disease, then you cannot opine that,
25 in fact, that substance caused that specific person's

1 disease. So, dose response is a very important concept
2 in pharmacology, in drug development, and toxicology.
3 And it has --

4 MR. LUBEL: Objection, nonresponsive.

5 Q. (BY MR. DILLARD) Can you give us some
6 everyday examples of dose response, what is meant by
7 dose response, so that we can relate it to -- to our
8 facts?

9 A. As I said before, to a toxicologist there's no
10 such thing as zero. There's also no such thing as
11 nontoxic. Every substance is potentially toxic under
12 the -- under certain conditions and at certain doses.
13 Water can be lethal. Water can be toxic. If you ingest
14 too much water, too rapidly, it can kill you. It's not
15 easy to do, but it can be done.

16 Everything follows a dose response, either
17 both in terms of beneficial effects for drugs, as well
18 as adverse effects which can also be associated with
19 drugs and chemicals.

20 A good example is aspirin, which everyone is
21 familiar with and most people are -- recognize that
22 aspirin can be used to -- as an analgesic, as an agent
23 to reduce pain associated with headache or minor aches
24 and pains. And usually the recommended dose of aspirin,
25 in these -- and under these conditions would be two

1 typical tablets over a four to six-hour period.

2 Many people may not be aware that lower doses
3 of aspirin, a half or a third of that, taken every day
4 or every other day, are actually associated with a
5 decreased risk of cardiovascular disease, because
6 aspirin also has an effect on blood clotting, and it
7 minimizes clotting at lower doses. But there are
8 statistical studies, quantitative epidemiology studies,
9 that show that at lower doses than what are normally
10 used to treat headache, can have a very significant
11 impact on the development of cardiovascular disease.
12 It's protective.

13 Some people who have chronic inflammatory
14 conditions such as arthritis, they may be prescribed
15 larger doses of aspirin than the typical amount we would
16 take for headache. They may be asked to take upwards of
17 maybe five or eight -- equivalent of five or eight
18 aspirins, which would also -- which would have an --
19 reduce pain but also reduce inflammation. At the same
20 time that people take those doses of aspirin, they may
21 also experience some side effects such as
22 gastrointestinal upset or irritation. That would be an
23 adverse effect that's related to dose.

24 As they increase taking aspirin, if one were
25 to take say upwards of 12 or 20 aspirin tablets, you

1 might have some of the same beneficial effects that
2 we've just talked about in terms of analgesia, decreased
3 inflammation, but you're going to begin to have very
4 serious gastrointestinal upset. You're likely to have
5 ringing in the ears, or tinnitus. You may begin to have
6 symptoms associated with dizziness or not feeling right,
7 and as you continue to take more aspirin those symptoms
8 will become much more prominent. Between 20 and 50
9 aspirin, you're going to become delirious. You're going
10 to have problems relating to your environment. You may
11 lose consciousness, go into coma. And between 50 and
12 100 aspirin, you may proceed into metabolic and
13 respiratory acidosis. You will go from coma to
14 basically a near death situation and, in fact, you can
15 die from that. It's a very difficult condition to
16 manage from the standpoint of clinical toxicology.

17 So, there's an example of dose response going
18 from low to high that includes the positive effects of a
19 drug, aspirin, as well as the negative or adverse
20 effects.

21 MR. LUBEL: Objection, nonresponsive.

22 Q. (BY MR. DILLARD) If you don't know the dose
23 of a particular exposure, can you say that that exposure
24 caused a particular person's disease?

25 MR. LUBEL: Objection, form.

1 A. No.

2 Q. (BY MR. DILLARD) Why not?

3 A. As I said before, dose is a very important
4 marker or benchmark with respect to comparing the
5 probability the disease can occur as a function of
6 exposure. If you have no idea what the dose is, you
7 cannot opine that an agent caused the disease.

8 MR. DILLARD: Mr. Lubel has requested a
9 brief recess.

10 MR. LUBEL: Thank you.

11 THE VIDEOGRAPHER: Going off the record.
12 Time is approximately 10:27 a.m.

13 (A break was taken.)

14 THE VIDEOGRAPHER: We're now back on the
15 record. Time is approximately 10:31 a.m.

16 Q. (BY MR. DILLARD) Dr. Irons, the third area
17 that you have told us you had been asked to review in
18 this case had to do with the plaintiffs' expert,

19 Dr. Levy. Have you reviewed his report?

20 A. Yes, I have.

21 Q. And I'd like for you to tell us whether or not

22 Dr. Levy followed proper scientific methods as you've
23 described them in reaching his opinions that are
24 expressed in that report?

25 MR. LUBEL: Objection, form, speculation.

1 Q. (BY MR. DILLARD) Do you have an opinion as to
2 whether or not he followed proper scientific methods in
3 reaching the opinions that he expresses in his report?

4 A. Yes, I do.

5 Q. And what is that opinion?

6 A. That the methodology that he used in reaching
7 his opinions does not conform to the criteria that I
8 have described, that are variously referred to as
9 Bradford Hill criteria, Evidence Based Medicine, or
10 other paradigms that meet comparable standards.

11 Q. Why do you say that?

12 A. He does not rely primarily on peer-reviewed
13 quantitative literature to support his opinions. He
14 includes secondary literature, nonquantitative
15 literature, opinions, editorials, in addition to the
16 quantitative epidemiologic literature.

17 He also does not perform any type of analysis
18 of dose in arriving at a conclusion -- the conclusions
19 he does with respect to the causation of Mr. Cowey's
20 disease.

21 Q. Referring back to these scientific rules that
22 scientists follow, the first one you listed a moment ago
23 was peer-reviewed quantitative epidemiologic data --

24 A. Yes.

25 Q. -- which finds a statistical association

1 between an exposure and a disease?

2 A. That's correct.

3 Q. What deficiencies does Dr. Levy's opinions --
4 what deficiencies do Dr. Levy's opinions have in that
5 specific -- in that particular regard?

6 A. The principal publications that he refers to
7 in reaching his conclusion with respect to Mr. Cowey's
8 disease include papers that did not measure benzene,
9 look specifically at benzene; but instead looked at a
10 variety of different mixed solvent exposures and did not
11 quantitate benzene exposure.

12 At the same time, these same references did
13 not meet criteria specificity with respect to relating
14 exposures to specific disease, in this particular case
15 the general category called myelodysplastic syndrome.
16 In some cases, there's no basis to conclude that
17 myelodysplastic syndrome was in fact the specific
18 disease in question.

19 In one particular case, there was no
20 statistically significant increase in myelodysplastic
21 syndrome seen in the paper.

22 In this particular case, the -- the criticisms
23 that I'm raising don't speak to the general issue of
24 causation, but do speak to the methodology that was used
25 in -- that he used in arriving at his conclusions.

1 Q. Do you have his report there handy?

2 A. Yes, I do.

3 Q. What is your understanding of the allegation
4 that's being made in this case as far as Mr. Cowey is
5 concerned?

6 A. My understanding is that the allegation is
7 that Mr. Cowey's disease, refractory cytopenia with
8 multilineage dysplasia, a form of myelodysplastic
9 syndrome, was caused as a result of his exposure to
10 benzene over the years, and a product called Liquid
11 Wrench.

12 Q. Do any of the studies that are cited by what
13 -- well, before I get to that, what is your
14 understanding as far as the allegation that's being made
15 as to the way Mr. Cowey was exposed to benzene from this
16 product called Liquid Wrench.

17 A. Well, the allegations are that through his use
18 of Liquid Wrench under the conditions in which he
19 employed it, that the -- contamination of the product by
20 benzene provided him with an opportunity for exposure
21 through inhalation from his use of the product, and that
22 that exposure over time lead to the development of his
23 disease.

24 Q. Is it your understanding that there is some
25 claim also being made that he was exposed to the product

1 on his skin?

2 A. Yes.

3 Q. Do any of the studies that are cited by
4 Dr. Levy apply to that type of an exposure allegation;
5 that is to say, someone on a recreational basis using a
6 product like Liquid Wrench as Mr. Cowey describes using
7 it?

8 MR. LUBEL: Objection, form.

9 A. No.

10 Q. (BY MR. DILLARD) What do the studies cited by
11 Dr. Levy pertain to?

12 A. They pertain to exposure to solvents and mixed
13 solvents in an occupational setting, in different
14 occupational settings, involving the use of chemicals in
15 refinery situations, in situations associated with
16 making of shoes, in situations associated with exposure
17 to pure benzene in an occupational setting, exposure to
18 mixed solvents in a variety of different occupational
19 settings.

20 Q. Some involve pure benzene?

21 A. Yes.

22 Q. You mention the making of shoes. Which
23 particular study is that, that he -- that he, Levy,
24 refers to?

25 A. The Aksoy -- the publications by Aksoy
that

1 deal with Turkish shoe workers involve exposure to
2 virtually neat or pure benzene used as a solvent in the
3 preparation of resins for making shoes.

4 Q. Are you familiar with those studies?

5 A. Yes.

6 Q. What type of a work environment were the
7 Turkish --

8 A. Turkish shoe workers.

9 Q. -- shoe workers working in where they were
10 using this pure benzene?

11 A. It was primarily in home environments and
12 sweat-shop environments with -- in confined spaces,
13 either by families, or by groups of individuals, usually
14 groups of families, using benzene-based resins as a glue
15 for the manufacturing of shoes in poorly ventilated and
16 restricted areas.

17 Q. Do you recall what the general working
18 conditions were in terms of how many hours a day, and
19 that sort of thing?

20 A. The descriptions by Aksoy describe conditions
21 where people basically lived in those environments,
22 where they basically made these -- they made shoes, and
23 worked and were exposed to solvents in the agents they
24 were using virtually round the clock. They did this in
25 the home. So when they were working, when they weren't

1 working, they basically were living in and around the
2 materials that they were using.

3 Q. He cites -- he, Levy, cites a paper by Yin.
4 Are you familiar with that work?

5 A. Yes, I am.

6 Q. And what did that involve?

7 A. Yin did a virtual cross-sectional study of
8 exposure of workers in China to a variety -- in a
9 variety of settings, thousands of facilities and
10 hundreds of thousands of workers where benzene was not
11 directly measured but, in fact, the assessment of
12 exposure was made indirectly.

13 This involved, again, shoe workers. It
14 involved industrial workers, factory workers, petroleum
15 refinery workers, rubber workers, painters, a variety of
16 different occupational settings, usually involving quite
17 large facilities.

18 Q. Do you believe that that -- that Mr. Cowey's
19 alleged exposure scenario is comparable to the Aksoy or
20 the Yin studies?

21 MR. LUBEL: Objection, form.

22 Q. (BY MR. DILLARD) Do you have an opinion in
23 that regard?

24 A. My opinion is that the description of
25 Mr. Cowey's use of solvents in the course of his

1 activities is not reflected or related to the types of
2 occupational exposure that has been the subject of these
3 studies.

4 Q. You also mentioned that some of the studies
5 that Dr. Levy cites, or other studies that Dr. Levy
6 cites, involve petrochemical plants?

7 A. Yes.

8 Q. Refineries?

9 A. Yes.

10 Q. Do you have an opinion as to whether or not
11 those studies would be applicable to the type of usage
12 that Mr. Cowey -- would the exposures in those studies
13 be comparable to Mr. Cowey's alleged use of the Liquid
14 Wrench product?

15 MR. LUBEL: Objection, form.

16 Q. (BY MR. DILLARD) Do you have an opinion in
17 that regard?

18 A. It's my opinion that those exposures are not
19 relevant to that of Mr. Cowey's.

20 Q. Looking back at the -- at the scientific rules
21 that you described for us earlier, another of the rules
22 you mentioned was specificity. With respect to
23 Mr. Cowey's alleged exposures to the Liquid Wrench
24 product, do the studies cited by Dr. Levy, in your
25 opinion, meet, or do they not meet the specificity

1 requirements?

2 A. They do not meet the specificity requirements.

3 Q. Why not?

4 A. They've looked at mixed solvent exposures, a
5 variety of different exposures, and do not quantitate
6 benzene exposure either in the context of mixed exposure
7 or potentially heavy exposure to pure benzene. So, from
8 the standpoint of providing a quantitative basis to
9 relate exposure or dose to the cause of disease, they do
10 not meet those criteria.

11 Q. You mentioned the consistency requirement of
12 the scientific rules. Do you have an opinion as to
13 whether or not Dr. Levy has satisfied that requirement?

14 MR. LUBEL: Objection, form.

15 A. He has not. In fact, as I mentioned, some of
16 the studies that he cites specifically with respect to
17 the question of the relationship between myelodysplastic
18 syndrome and exposure to solvents don't reach a
19 statistical -- don't provide evidence of a statistical
20 association between exposure and the development of the
21 disease.

22 Q. (BY MR. DILLARD) That's a point I want to ask
23 you about. Do some of the studies that Dr. Levy has
24 cited refer to or involve myelodysplastic syndrome --

25 A. Yes, they do.

1 Q. -- the condition that Mr. Cowey has?

2 A. Yes, they do.

3 Q. And is there a consistency across those
4 studies that meets the scientific requirements?

5 A. No. Neither with respect to specificity or
6 consistency for those specific citations.

7 Q. With regard to the dose response requirement,
8 does Dr. Levy satisfy that requirement, in your opinion?

9 MR. LUBEL: Objection, form.

10 A. No, he does not. He does not address the
11 issue of dose.

12 Q. (BY MR. DILLARD) Okay. Let me ask it -- ask
13 it again. Does he even address the issue of dose
14 response in his opinions?

15 A. No, sir.

16 MR. LUBEL: Objection, form.

17 MR. RILEY: What's your objection basis
18 on that last question?

19 MR. DILLARD: Yeah.

20 MR. LUBEL: I'll tell you what primarily
21 my basis has been for a little while, which is Steve's
22 been referring to whether Dr. Levy has addressed certain
23 things, and he's not been specific to his report. And
24 as I appreciate what Dr. Irons is talking about, is what
25 is contained in his report. Steve's question doesn't

1 focus in on the report. It focuses in on what Dr. Levy
2 has addressed, when it would be speculation for one,
3 because neither Steve nor Dr. Irons have talked to
4 Dr. Levy about what he's done in this case.

5 MR. DILLARD: Well, that's not a form
6 objection. And I believe --

7 MR. LUBEL: Well, maybe I am making a
8 poor objection but that's -- you asked for what I was
9 doing.

10 MR. DILLARD: That's not a form
11 objection.

12 MR. RILEY: True.

13 MR. DILLARD: But I appreciate the
14 clarification. We will certainly expect that he'll
15 remain hitched to the report he produced in this case,
16 since we've been required to produce reports, right?
17 Agree with me on that?

18 MR. LUBEL: Upon what?

19 MR. DILLARD: On that he has to stay --
20 the subject matter he's covering is covered in his
21 report, right?

22 MR. LUBEL: I think by and large his

23
24 MR. DILLARD: Okay.

25 Q. (BY MR. DILLARD) Dr. Irons, let me a

1 you have an opinion, sir, whether or not -- first of
2 all, you're familiar with the opinions that Dr. Levy
3 expresses in his report; is that correct?

4 A. Yes.

5 Q. Okay. And that's his report dated -- what's
6 the date on it?

7 A. September 18th.

8 Q. It was produced to us by the plaintiffs,
9 pursuant to the requirements of the court back in
10 September.

11 In reviewing that report, do you have an
12 opinion whether or not Dr. Levy has ruled out with
13 reasonable certainty other factors that would explain
14 Mr. Cowey's disease?

15 A. Not at all.

16 Q. Why not?

17 A. Because the principal risk factor associated
18 with the development of myelodysplastic syndrome in the
19 vast majority of individuals is age, and that's not even
20 addressed in Dr. Levy's report.

21 Q. Let's talk for a moment about myelodysplastic
22 syndromes. How does the -- how does the disease get its
23 name, if you know?

24 A. Myelodysplastic syndrome is a relatively new
25 classification of diseases within the hemopoietic blood

1 forming system that's evolved over the past 30 years.

2 It is a neoplastic condition. It is a --

3 Q. What does that mean?

4 A. It means that it is a cancer.

5 Q. Okay.

6 A. It is a type of cancer on a spectrum that
7 includes acute myelogenous leukemias, a specific
8 subclass of leukemias. So, myelodysplastic syndromes
9 and acute myelogenous leukemias represent a spectrum of
10 diseases that involve the altered maturation, growth,
11 and regulation of the cells of the bone marrow that make
12 our blood cells.

13 Q. Are all acute myelogenous leukemias considered
14 to be myelodysplastic syndrome?

15 A. No.

16 Q. Are all myelodysplastic syndromes considered
17 to be acute myelogenous leukemia?

18 A. No. As a matter of fact, the vast majority
19 are not. There is overlap in these diseases, but the
20 specific diseases within these classifications represent
21 distinct entities as defined by the scientific and
22 medical literature.

23 Q. Are there different forms of myelodysplastic
24 syndrome?

25 A. Yes.

1 Q. Can we refer to it as MDS? Is that an --

2 A. Yes, MDS is -- is useful.

3 Q. How are cases of MDS diagnosed?

4 A. Cases of MDS are diagnosed using criteria and
5 techniques that are the same ones that are used in the
6 diagnosis of acute myelogenous leukemias. The basic
7 criterias in their -- there are three major components
8 to the laboratory diagnosis of these diseases. One is
9 to examine the cells and look at them to see what they
10 look like. It's called morphology.

11 So you look at the bone marrow, either in
12 smear or section, and evaluate what the cells in the
13 bone marrow look like.

14 Another is to use a technique that allows us
15 to look at a fingerprint of the molecules on the surface
16 of the cells that help us determine what they are, and
17 that's called immunophenotyping or flow cytometry. So
18 we're looking at fingerprinting on the surface,
19 morphology of the cells, what they look like, and also
20 we look at the DNA in these cells. We look at DNA to
21 see if there are structural changes in the DNA, and this
22 is what we talk about when we talk about chromosome or
23 chromosome abnormalities. Chromosomes are packets of
24 DNA, and if they are rearranged, absent or missing in
25 one form or another, this is also a criteria that's used

1 in the differential diagnosis of these diseases.

2 Q. Have you been -- you yourself been involved in
3 diagnosing these diseases?

4 A. Yes.

5 Q. As opposed to treating them like a doctor
6 would do?

7 A. I don't treat these diseases, but I diagnose
8 them and I supervise the laboratory that diagnoses them.

9 Q. With respect to the type of MDS that Mr. Cowey
10 is reported to have had, RCMD, is that a common or
11 uncommon form?

12 A. Very common. Probably the most common. It is
13 among the most common forms of MDS.

14 Q. And what -- what are the main risk factors for
15 MDS?

16 A. The principal risk factor for 85 percent of
17 the cases of MDS that are diagnosed is age.

18 Q. What's your understanding of the -- of the age
19 -- the peak age range when this disease is diagnosed?

20 A. Well, the data is fairly dramatic. Below the
21 age of 50, the incidence of MDS in the general
22 population is on the order of a half of a -- one to -- a
23 half to one or two per 100,000 people. At the age of
24 50, studies variously report an incidence of five to
25 maybe 12 per 100,000 people. And as you go up in

1 decade, it increases logarithmically. At the age
2 between 70 and 79, the incidence is on the order of 49
3 to 50 or even higher per 100,000. So, between the age
4 of 50 and the age of 80, you have a change that's about
5 ten-fold in terms of the incidence of the disease, and
6 above 80 it rises to 20-fold higher.

7 Q. So it continues to rise with increasing age?

8 A. Yes.

9 Q. What was Mr. Cowey's age when he was
10 diagnosed, if you recall?

11 A. I believe it was 72, 73, in that area.

12 Q. Would that be in a peak age range for this
13 disease?

14 A. Yes. Much higher than either the 50s or the
15 60s, obviously less than the 80s, or the 8th decade, but
16 extremely high. On the order of about 49 per 100,000.

17 Q. You were explaining this a moment ago, but
18 just very briefly, how does -- how does one tell one
19 form of MDS, say like the kind that Mr. Cowey has, from
20 another form?

21 A. With respect to Mr. Cowey's disease, the
22 issues that are important are the types of cells that
23 are present by morphology, so the number of blast cells
24 that are present in the bone marrow; as well as data
25 from flow cytometry or immunophenotyping looking at

1 molecules on the surface of the cells; and also
2 cytogenetics, genetics, looking at chromosomes, and
3 changes in the DNA.

4 Q. Are you familiar from reviewing Mr. Cowey's
5 medical records as to whether he had any particular
6 chromosome pattern that was found when his was
7 diagnosed?

8 A. Yes. He had three of the cells that were
9 examined for cellular genetic damage or chromosome
10 aberrations, possessed an extra chromosome 8, which is
11 referred to as trisomy 8. And the fact that it was
12 found in three cells establishes that it is a clonal
13 lesion, and is most likely associated with the
14 development of his disease.

15 Q. Do you have an opinion as to whether
16 Mr. Cowey's chromosome pattern, this trisomy 8, would
17 fit into the pattern seen in the 85 percent of MDS cases
18 where the cause is not known as opposed to the 15
19 percent of cases where it is?

20 A. The pattern of presentation of his disease,
21 including trisomy 8, is a pattern that's most frequently
22 found in the 85 percent for which we -- there's no known
23 cause, or that's commonly called idiopathic MDS; and is
24 much less frequently found, in fact, is rare, in cases
25 of MDS that have -- that are known to be associated with

1 other causes.

2 Q. The 15 percent?

3 A. Yes. And that -- that particular pattern has
4 become increasingly prominent over the last several
5 years, and certainly the most recent literature looking
6 at the development of MDS occurring secondary to
7 exposure to toxic agents, the -- there's a clear deficit
8 or rarity of trisomy 8 in those cases.

9 MR. LUBEL: Objection, nonresponsive.

10 Q. (BY MR. DILLARD) Do you have some published
11 literature, peer-reviewed literature, that you have here
12 with you today that supports the opinions that you've
13 expressed?

14 A. Yes.

15 Q. You said that this was becoming more
16 increasing -- excuse me, that this was increasingly
17 becoming known in the literature in the last few years.
18 What specifically are you talking about?

19 A. Well, for example, in the early '90s, it was
20 well recognized that there were patterns of chromosome
21 abnormalities that were found in acute myelogenous
22 leukemia, AML and MDS, that was associated with exposure
23 to toxic agents that were clearly more likely to be
24 found in those cases than in idiopathic cases.

25 Q. And what --

1 A. The majority of cases.

2 Q. What does idiopathic mean?

3 A. No known cause.

4 At the same time there are chromosome
5 abnormalities that were reported occasionally occurring
6 in either, those that were idiopathic, no known cause;
7 or those that developed secondary to exposure to toxic
8 agents.

9 Q. Such as what --

10 MR. LUBEL: Objection, nonresponsive.

11 Q. (BY MR. DILLARD) -- type of toxic agents?

12 A. Alkylating chemotherapeutic agents, radiation.

13 Q. Cancer drugs?

14 A. Cancer drugs.

15 Q. Radiation?

16 A. Radiation. Also, in solvent exposure where
17 these lesions have been found in individuals who have
18 been occupationally exposed to solvents, including
19 benzene.

20 Q. Now, is that -- is that group, the
21 chemotherapy, the radiation, and the benzene exposure,
22 is that the 85 percent category or is that the 15
23 percent?

24 A. That's the 15 percent category.

25 Q. All right.

1 A. What we've seen over the last several years
2 with increasing -- with studies that look at larger
3 numbers and have focused on primarily the
4 chemotherapeutic literature, it's become clear that this
5 difference in the pattern of the frequency of chromosome
6 abnormalities that are seen in those developing
7 secondary to exposure and those that are idiopathic is
8 much greater than was considered in the past. So, we
9 now have recent studies looking at very large numbers of
10 cases where that pattern of differences in the types of
11 chromosome lesions that are found between those exposed
12 to alkylating agents and those that are not is a
13 dramatic difference.

14 MR. LUBEL: Objection, nonresponsive

15 Q. (BY MR. DILLARD) What is the predominant
16 pattern that is seen in the 85 percent of cases where
17 age is the risk factor and there's no known external
18 cause?

19 MR. LUBEL: Objection, form.

20 MR. DILLARD: What's the form objection
21 there?

22 MR. LUBEL: Compound. Age is the risk
23 factor and there is no external cause.

24 Q. (BY MR. DILLARD) Okay. What is the
25 predominant pattern that is seen in the 85 -- first of

1 all, let's go back to the 85 percent of cases.

2 Is this the group where there is a known risk
3 factor or -- excuse me, where there is a known cause or
4 not a known cause?

5 A. Not a known cause.

6 Q. Okay. And what's the main risk factor in that
7 group?

8 A. Age.

9 Q. All right. What is the predominant chromosome
10 pattern that is seen in that category?

11 A. Reoccurring chromosome lesions that are found
12 and described in acute myelogenous leukemia and in MDS
13 that are associated with no known cause; and there are a
14 series of reoccurring lesions that are described in the
15 diagnostic classification of these, included among them
16 would be trisomy 8, or involvement of translocations
17 involving chromosome 8. Also, other types of
18 abnormalities as well, most of them involving
19 translocations or inversions.

20 Q. Now, let's talk about the 15 percent category,
21 where there's a known cause. What's the predominant
22 pattern that is seen in those?

23 A. The predominant pattern is the loss of all or
24 part of chromosome 5 and/or chromosome 7 and sometimes
25 both; so involving the chromosome 5 and chromosome 7 and

1 deletions of those chromosomes. And studies over the
2 years suggest that the incidence of those in AML and MDS
3 preceding AML following exposure to alkylating agents is
4 higher than 70 percent. The most recent study by Larson
5 in Chicago suggests 76 percent.

6 Q. Did James Cowey have that chromosome pattern?

7 A. No, he did not.

8 Q. Is the MDS that is in this 85 percent category
9 considered to be the same disease or a different disease
10 from the MDS in the 15 percent category?

11 A. The World Health Organization classification
12 of diseases, which has become the world bench standard
13 for the classification of these over the last couple of
14 years, distinguishes MDS into several different
15 categories; and it distinguishes AML, acute myelogenous
16 leukemia, into several different categories. The
17 specific type of AML associated with exposure to
18 alkylating agents is preceded by a type of MDS, and so
19 the -- they're referred to together as MDS/AML
20 developing secondary to exposure to these agents. So it
21 has a specific disease classification all by itself.

22 Q. Is that the same as or different from --

23 A. It's different from.

24 Q. -- the 85 percent?

25 A. That's correct.

1 Q. Incidentally, did you note the treatment that
2 Mr. Cowey had received, the medication that he had
3 received?

4 A. Yes.

5 Q. Okay. What did he -- what did he receive?

6 A. He was entered into an experimental protocol
7 that involved the use of a derivative of
8 Dideoxycytidine, 5-Aza-2'-Deoxycytidine, and Cytosine
9 Arabinoside, or Ara-C. Ara-C is routinely used in
10 treating myelodysplasia and acute myelogenous leukemia,
11 usually in combination with other drugs. In this
12 particular case, the use of the Dideoxycytidine
13 derivative was an experimental protocol.

14 Q. Have you had any involvement with that drug?

15 A. Yes. I worked on the development of the
16 parent compound Dideoxycytidine, 2'3'-Dideoxycytidine,
17 prior to its approval as a drug.

18 Q. Have you published on that drug?

19 A. Yes, I have.

20 Q. Do you have that paper here with you in the
21 event someone wants to ask you questions about it?

22 A. Yes, I do.

23 Q. Do you also have here available the papers
24 that you referred to earlier involving this 85 percent
25 category and the chromosome pattern seen there, and the

1 15 percent category and the chromosome pattern seen
2 there?

3 A. Yes.

4 Q. Let me turn now to the last of the subjects
5 that you were asked to address, Dr. Irons, and if you
6 could remind us again what that was.

7 A. Specifically with respect to the probability
8 that Mr. Cowey's disease was caused by his exposure to
9 benzene over the years in his use of Liquid Wrench.

10 Q. Applying the scientific methodology that
11 you've described, do you have an opinion as to whether
12 there is reliable scientific evidence that Mr. Cowey's
13 use of Liquid Wrench, assuming it contained benzene for
14 purposes of this question, was a cause of his disease?

15 A. Yes, I do.

16 Q. And what is that opinion?

17 A. That there is no reliable scientific basis to
18 conclude that his exposure to benzene Liquid Wrench was
19 the cause of his myelodysplasia.

20 Q. Why do you say that?

21 A. First of all, because the presentation of his
22 myelodysplasia, as I've just said, is consistent with
23 presentation that's seen in vast majority of individuals
24 who develop idiopathic myelodysplastic syndrome, the
25 major risk factor of which is age, and his age at

1 diagnosis is consistent with that.

2 Independently, there's no basis upon which
3 the information I have been provided with that I can
4 quantitate a -- make a meaningful assessment of his
5 exposure to benzene, given the information that's been
6 presented to me. So, it's impossible for me to provide
7 a quantitative analysis of his exposure to benzene.

8 Independent of that, the quantitative
9 epidemiologic literature that demonstrates an
10 association between exposure to benzene and the
11 development of AML or MDS involves exposure scenarios
12 and occupations that are not relevant to Mr. Cowey's
13 specific situation and use of the product Liquid Wrench.

14 Q. Assuming that he was last using Liquid Wrench
15 at a time when it might have contained benzene, in 1978,
16 and his diagnosis was in 2001, do you have an opinion as
17 to whether or not that would be consistent with the
18 Liquid Wrench usage explaining his disease?

19 A. The vast majority of the quantitative
20 epidemiology -- epidemiologic literature dealing with
21 the development of acute myelogenous leukemia following
22 exposure to benzene defines a latency, or period, or
23 interval between opportunity for exposure and
24 development of disease that has a median of about
25 nine-and-a-half to ten years. More recent follow-up

1 studies of those same populations suggest that it may be
2 possible that that latency may extend for a period of,
3 say, 15 years at the latest, or the longest. But I know
4 of no basis to conclude that on -- certainly on the
5 basis of latency that exposures exceeding that, or
6 certainly exceeding 20 years, are associated with the
7 development of acute myelogenous leukemia.

8 Q. How about MDS?

9 A. MDS is subsumed within diagnosis of AML when
10 we're talking about AML secondary to exposure to toxic
11 agents. They're part of the same paradigm or process.

12 Again, in contrast, if you look at MDS/AML
13 developing secondary to alkylating chemotherapy, the
14 latency interval is on the order of two to seven years.

15 MR. LUBEL: Objection, nonresponsive.

16 Q. (BY MR. DILLARD) What is the latency period
17 for the development of the MDS/AML disease that is at --
18 that's this 15 percent, what is the latency in those
19 individuals receiving chemotherapy?

20 A. Two to seven years.

21 Q. Do you have an opinion as to the most likely
22 factor in explaining Mr. Cowey's disease?

23 A. His age.

24 MR. DILLARD: Thank you, sir.

25 I think that's all the questions I have right

1 now.

2 EXAMINATION

3 BY MR. LUBEL:

4 Q. Dr. Irons, my name is Lance Lubel, and you and
5 I briefly met right before we started this deposition,
6 correct?

7 A. That's correct.

8 Q. We had never met before?

9 A. That's correct.

10 Q. True? And as I appreciate it, you have been
11 retained by the lawyers for United States Steel
12 Corporation to serve as an expert witness for them, is
13 that true?

14 A. That's correct.

15 Q. When were you
retained?

16 A. I believe it was this summer, may have been
17 August.

18 Q. Mr. Steve Dillard from the law firm of
19 Fulbright and Jaworski, did you have discussions with
20 him about this?

21 A. I had discussions with Mr. Dillard and with
22 Mr. Kemp. Kemp.

23 Q. Another lawyer from their Dallas office?

24 A. I believe so, yes.

25 Q. Is -- is benzene a carcinogen, in your

1 opinion?

2 A. Yes.

3 Q. What does the term carcinogen mean?

4 A. That it is a substance or chemical or an agent
5 that can cause certain types of cancer.

6 Q. If I heard you correctly when Mr. Dillard from
7 Fulbright was asking you questions, I believe that you
8 said that MDS, or myelodysplastic syndrome, was a
9 cancer, in your opinion?

10 A. Yes. It is a neoplastic disease. It is by
11 definition clonal, which means it is a cancer.

12 Q. Is there any dispute amongst good scientists
13 such as yourself as to whether benzene is, in fact, a
14 cancer-causing agent?

15 A. No.

16 Q. Would it be fair to say that it is generally
17 accepted in both the medical and the scientific
18 community that benzene can cause cancer if exposures are
19 correct?

20 A. If the exposures are sufficient, benzene has
21 been demonstrated to cause specific kinds of human
22 cancer, principally the acute myelogenous leukemias.

23 Q. And, in fact, sir, is it true that benzene has
24 been known in the medical and scientific community to
25 cause serious -- or can cause serious health

1 consequences for many, many decades?

2 A. Certainly since the -- benzene's been known
3 for many, many years to be -- to be able to cause bone
4 marrow suppression, and toxicity to the blood forming
5 organs. Certainly, by the mid '70s, the mid to late
6 '70s, it was generally appreciated and understood that
7 benzene could also cause acute myelogenous leukemia.

8 Q. Are you aware, Dr. Irons, from all of your
9 research regarding benzene, that adverse health
10 consequences have been reported in the literature back
11 in the 1920s and '30s regarding benzene exposures?

12 A. Yes.

13 Q. And, in fact, I am sure you're aware, and
14 since you have been in this field for some period of
15 time, that the American Petroleum Institute that was
16 made of large oil companies such as United States Steel
17 Corporation, that they issued a report in about 1948
18 that found that benzene was a hazardous substance.

19 MR. DILLARD: Object to the form of the
20 question.

21 Q. (BY MR. LUBEL) Are you aware of that, sir?

22 A. I'm generally aware that the American
23 Petroleum Institute recognized the potential toxicity of
24 benzene, certainly as early as the '40s.

25 Q. And you have no reason to dispute that -- that

1 companies that were interested in finding out what the
2 potential hazardous health effects from benzene exposure
3 were, that there was some information that was available
4 as early as the 40s concerning benzene exposures, true?

5 MR. RILEY: Objection, form.

6 A. As a matter of fact, there were several
7 anecdotal reports going back at least that far, that
8 described bone marrow suppression and the development of
9 blood disease associated with very high level exposure
10 to benzene.

11 Q. (BY MR. LUBEL) And I'm sure that you're aware
12 that the 1948 report by the American Petroleum Institute
13 was actually authored by a gentleman from the Harvard
14 Medical School by the name of Dr. Phillip Drinker?

15 A. That I couldn't tell you one way or the other.

16 Q. Do you know who Dr. Drinker is or was?

17 A. He was a prominent hematologist, I believe.

18 Q. He was a good and reputable doctor in his
19 field of blood disorders, correct?

20 A. To my understanding, yes. I wasn't there at
21 the time.

22 Q. But --

23 A. Yes, he's well-known.

24 Q. In your years of researching and writing on
25 the topic of benzene exposures, you've come across

1 Dr. Drinker's name on more than one occasion, I take it?

2 A. Yes.

3 Q. And he's well written in that area, true?

4 A. Yes.

5 Q. And were you aware that the 1948 American
6 Petroleum Institute study that Dr. Drinker authored on
7 behalf of oil companies stated that there was -- there
8 was absolutely no safe level of benzene exposure?

9 A. I do recall that it said that.

10 Q. And, in fact, you acknowledge that that study
11 talked about blood and blood forming disorders, correct?

12 A. Yes.

13 Q. And would you agree that MDS, the disease that
14 you acknowledge Mr. Cowey has, is a blood or blood
15 forming disorder?

16 A. It is. It's probably important to point out
17 that at that particular point in time, the
18 state-of-the-art was such that myelodysplastic syndrome
19 wasn't recognized as a disease process, and that the
20 exposures that were at issue with respect to the
21 toxicity of benzene in the '40s were several fold higher
22 than those that we now are concerned about with respect
23 to benzene exposure and toxicity.

24 MR. LUBEL: I object to everything after
25 "It is" as being nonresponsive.

1 Q. (BY MR. LUBEL) Have you brought with you,
2 Dr. Irons, the peer-reviewed published articles that
3 state that there are -- there -- there is a safe level
4 of benzene exposure?

5 A. I am not sure what you're referring to.

6 Q. Well, we talked just a minute ago briefly
7 about the American Petroleum Institute study in 1948
8 that said there was absolutely no safe level of benzene
9 exposure, short of zero. And so my question is: Have
10 you brought with you today any peer-reviewed published
11 literature that tells us what the safe level is?

12 A. There is no literature that I can point to,
13 including the API report, which incidentally wasn't a
14 peer-reviewed study either, that defines a safe level.
15 There are many studies that in the context of
16 quantifying the relationship between exposure and
17 disease, that have provided a characterization of dose
18 response. But in science we can't prove a negative; and
19 therefore, you won't find any studies that point to a
20 specific level and say that it is safe. That's not
21 something that science does.

22 Q. So, you don't have, at least with you today, a
23 study that says what level of exposure to benzene is
24 considered safe by the relevant medical and scientific
25 community, correct?

1 A. As I said, in science we can't prove a
2 negative, so there are no studies that exist that will
3 affirmatively say that there is a quantitative level
4 that has been proven to be safe.

5 Q. So, the information that was published in the
6 1948 American Petroleum Institute, where they said that
7 there is absolutely no safe level of benzene exposure,
8 that still holds true today, correct?

9 A. No. I don't believe so. I don't believe that
10 you can say there's absolutely no level that does not
11 produce adverse health effects. There clearly are. But
12 pointing to a specific level and saying that there is a
13 study or a body of literature that states that this
14 level is safe is not something that scientists do.

15 Q. What are the accepted risk factors of MDS,
16 Mr. Cowey's disease? And by accepted I mean generally
17 accepted in your field, medical people and scientists.

18 MR. DILLARD: I'm sorry, would you state
19 it again?

20 Q. (BY MR. LUBEL) I'll be happy to. What are
21 considered to be in your community, the medical and
22 scientific community, the generally accepted risk
23 factors for MDS, myelodysplastic syndrome?

24 A. Depending upon the specific type of
25 myelodysplastic syndrome, as I have -- as I've discussed

1 previous today, the risk factors include age, which is
2 the predominant risk factor certainly for idiopathic
3 myelodysplastic syndrome. With respect to MDS
4 developing in the context of acute myelogenous leukemia,
5 or in combination of acute myelogenous leukemia,
6 exposure to alkylating chemotherapeutic agents, exposure
7 to radiation, and with some controversy, exposure to
8 benzene.

9 There are other agents that have been
10 generally -- other agents and drugs that have been
11 variously suggested to be associated with it, but in
12 general those are the major causes.

13 Q. Well, other than age, exposure to alkylating
14 agents, which I think you've referred to as basically
15 chemotherapy?

16 A. Yes.

17 Q. Radiation is accepted, true?

18 A. Yes.

19 Q. True?

20 A. Yes.

21 Q. And is that ionizing radiation?

22 A. Yes, definitely.

23 Q. And then certain benzene exposures?

24 A. There -- there's at least one report that
25 implies electromagnetic radiation. That certainly has

1 not been confirmed and has not been demonstrated with
2 respect to biologically plausible mechanisms.

3 Q. So, as you sit here today, do you have the
4 opinion that electromagnetic exposures -- is that what
5 you referred to it as? Electromagnetic --

6 A. Electromagnetic fields.

7 Q. At this point in time, as you sit here, is the
8 information sufficient for scientists such as yourself
9 to conclude that that causes MDS?

10 A. No.

11 Q. And as you sit here today, are you aware of
12 any exposures that Mr. Cowey had to electromagnetic
13 fields?

14 A. No.

15 Q. So, other than age, chemotherapy, ionizing
16 radiation, and benzene, are you offering any opinions as
17 to the major accepted risk factors for MDS other than
18 those?

19 A. No.

20 Q. How much benzene was Mr. Cowey exposed to --

21 MR. DILLARD: Object to --

22 Q (BY MR. LUBEL) -- during his lifetime?

23 MR. DILLARD: Object to the form.

24 A. I don't know.

25 Q. (BY MR. LUBEL) How many years did Mr. Cowey

1 use benzene-containing products?

2 MR. DILLARD: Object to the form.

3 A. As a toxicologist, the only responsible answer
4 I can give to that is as long as he worked, he was
5 exposed to products that to some degree or some form
6 contained benzene because it's ubiquitous. You can't
7 exclude for -- assume that at any time he or any of the
8 rest of us have not had some exposure to benzene.

9 Q. (BY MR. LUBEL) But as you sit here today, can
10 you offer to the jury, based upon the information that
11 the United States steel lawyers have provided you,
12 either on a qualitative basis or quantitative basis,
13 what Mr. Cowey's exposures to benzene were over his
14 lifetime?

15 A. No, I can't.

16 Q. Did -- did the lawyers for United States Steel
17 Corporation advise you that on a fairly regular basis
18 Mr. Cowey used benzene-containing products for
19 approximately 25 years?

20 MR. DILLARD: Object to the form.

21 MR. RILEY: Same objection.

22 A. I am aware and was provided with information
23 that related to the allegation that he had used Liquid
24 Wrench, and Liquid Wrench is a solvent mixture.

25 Q. (BY MR. LUBEL) But did they tell you
that

1 there was benzene as an ingredient in that product?

2 A. I have seen reports that document that at
3 various times the product contained mixtures of
4 raffinates that included some benzene, yes.

5 Q. And that varied, based upon the information
6 you were provided? Would you agree with that?

7 A. Yes.

8 Q. Not every batch was exactly the same, true?

9 A. That's correct.

10 Q. And that wouldn't surprise you as a scientist?

11 A. No.

12 Q. Have you done work for any Exxon or Mobil
13 entities during your career as a scientist?

14 A. I've consulted with Exxon before.

15 Q. Have you done any work for Mobil?

16 A. Yes, I believe so.

17 Q. And did you find your experience with the
18 Mobil people to be enlightening?

19 MR. DILLARD: Object to the form.

20 A. I'm afraid I don't know what you mean.

21 Q. (BY MR. LUBEL) Let me tell you what I'm
22 driving at, Dr. Irons.

23 The lawyers in this case have -- have seen
24 studies performed by Mobil employees that demonstrate
25 that Liquid Wrench, at least in the late '70s, had a

1 benzene content of 7 to 30 percent. Have you seen those
2 studies?

3 MR. RILEY: Objection, form.

4 A. I've seen references to analysis of raffinate
5 in at least one occasion that would comport with those
6 -- that level of benzene.

7 Q. (BY MR. LUBEL) And I am trying to be more
8 specific. As you -- you understand that raffinate is a
9 component of Liquid Wrench, correct?

10 A. Yes.

11 Q. What I'm telling you is, is that the lawyers
12 in this case have been provided Mobil documents that
13 indicate that Liquid Wrench itself had a benzene content
14 between 7 percent and 30 percent, and I am asking you if
15 you have seen those?

16 A. What I -- what I recall seeing is the -- is
17 various anal -- various analyses of raffinate in at
18 least one occasion that would be consistent with those
19 levels.

20 Q. Do you have any reason to disagree or differ
21 with what those documents tell us about the benzene
22 component of Liquid Wrench?

23 A. No.

24 Q. There was a period of time where you did work
25 for the -- I think you called it the Chemical Industry

1 Institute of Toxicology?

2 A. Yes.

3 Q. Where were they based?

4 A. Research Triangle Park, North Carolina.

5 Q. And who owned that group, if you will?

6 A. It was a nonprofit independent research
7 institute that conducted research on commodity --
8 toxicology, research on commodity chemicals. It was
9 funded when I was there through a group of chemical
10 companies.

11 Q. Do you know the name of those chemical
12 companies?

13 A. There was something like 20 or 23. I know
14 some of them but I certainly couldn't give you an
15 exhaustive list.

16 Q. Just tell me the ones you can remember.

17 A. Dow, Dupont, Exxon, Mobil, Chevron, Conoco,
18 W. R. Grace, Phillips -- I don't know what number I'm at
19 -- Shell, Monsanto, many different companies.

20 Q. When did you do work for -- for those
21 companies?

22 A. I worked for the Chemical Industry Institute.
23 I didn't work for the companies.

24 Q. That's what I mean. What -- what time period
25 are we talking about?

1 A. 1977 to 1987, '88.

2 Q. And I take it during this roughly -- that's a
3 ten-year period, am I right?

4 A. Yes.

5 Q. -- that you would have fairly frequent
6 communication with representatives of these various
7 chemical companies you've told us about, correct?

8 A. Only within the context of restrictions that
9 the Institute guidelines placed on me. We did not have
10 routine interaction with company representatives outside
11 of the normal activities of the institute.

12 Q. Were you aware that Mr. Dillard and his law
13 firm of Fulbright & Jaworski do a lot of work for those
14 companies?

15 A. At that time --

16 MR. DILLARD: Object to the form.

17 A. -- no, I didn't know Mr. Dillard then.

18 Q. (BY MR. LUBEL) Talking about now. Are you
19 aware of it as you sit here now?

20 MR. DILLARD: Object to the form. It's
21 not right, but --

22 A. For which companies?

23 Q. (BY MR. LUBEL) Well, when did you meet
24 Mr. Dillard?

25 A. Probably I first met Mr. Dillard 1989, 1990,

1 1991, somewhere in that time frame.

2 Q. And how did you meet him?

3 A. I don't remember the first time I met him. It
4 might have been in conjunction with a lawsuit in which I
5 was an expert, but I couldn't tell you specifically the
6 first time I met him.

7 Q. Do you recall that -- at any rate that it was
8 a lawsuit that had something to do with chemical
9 exposures?

10 A. If it was a lawsuit, I -- I think that would
11 be safe assumption.

12 Q. And has it been your experience since you've
13 been doing work for Mr. Dillard that oftentimes he
14 represents chemical companies?

15 A. Yes.

16 Q. Have you ever been an expert witness for
17 Mr. Dillard or his law firm in cases where they did not
18 represent a chemical company?

19 A. I don't believe so.

20 Q. Now, when were you first introduced to
21 litigation?

22 A. 1989, I believe.

23 Q. And who was the first person that introduced
24 you to lawsuits and potential expert work you could
25 provide in that area?

1 A. Well, the first attorney that I ever agreed to
2 talk to on this would have been Mr. Robert Scott.

3 Q. And who put y'all two together as you
4 appreciate it?

5 A. He called me.

6 Q. And did he tell you who he got your name from?

7 A. Got my name, I believe, from Dr. Daniel
8 Teitelbaum.

9 Q. And who is Dr. Daniel Teitelbaum?

10 A. He's a toxicologist in Denver.

11 Q. He does similar work to you, similar field?

12 A. I think that's probably a stretch, but he --
13 he's involved primarily -- I think his background is in
14 drug abuse and toxicity of drugs and glues and things
15 like that.

16 Q. Is he a good doctor?

17 A. I have no idea.

18 Q. Have you met him before?

19 A. Yes, I have.

20 Q. Is he reputable in his field?

21 A. I'm not sure how you would define his field
22 I really can't speak to what his reputation is as a
23 doctor.

24 Q. So, tell me what you can recall about your
25 first conversation with Mr. Robert Scott, about you

1 getting involved in these lawsuits.

2 A. I think it's --

3 MR. DILLARD: Object to the form.

4 A. At the time, as I recall, he asked me if I
5 knew that my work, my research, was being used to
6 support opinions that were being offered in litigation;
7 and I said, no, I wasn't. And he asked me to -- if I
8 would take a look at some testimony and call him back if
9 I felt like it, and send him a bill otherwise. But
10 simply just to review testimony that had been presented
11 in a case relating to my own work, and the
12 interpretation of that work, and after some give and
13 take I agreed to do that.

14 Q. (BY MR. LUBEL) Did you at some point in time
15 learn that Mr. Robert Scott, in fact, represents
16 companies similar to Mr. Dillard and his law firm; that
17 is, chemical companies?

18 MR. RILEY: Objection, form.

19 A. Mr. Scott asked me if I would be willing to
20 serve as an expert in a case that involved the
21 allegation of disease caused by exposure to benzene.

22 Q. (BY MR. LUBEL) My question is -- it's a
23 little bit more specific, and that is at some point in
24 your time -- in time, did you learn that Mr. Scott, like
25 Mr. Dillard, typically represents chemical companies in

1 these chemical exposure cases?

2 MR. RILEY: Objection, form.

3 A. I think he does. He represents a number of
4 clients, some of which include chemical companies.

5 Q. (BY MR. LUBEL) Can you think of any clients
6 that Mr. Scott has had in the number of cases that
7 you've worked with him on, where he represented
8 companies that were not in the chemical company field,
9 if you will?

10 A. It's my understanding -- I know that he has
11 been involved and has represented companies that aren't
12 chemical companies, but I couldn't tell you in which
13 particular cases or who they are.

14 Q. Dr. Irons, do you hold yourself out as an
15 expert epidemiologist?

16 A. No. I'm a toxicologist.

17 Q. And what is the difference between toxicology
18 and epidemiology?

19 A. Epidemiology is the science that deals with
20 the design and conduct of population-based studies
21 relating to the cause of disease and the incidence of
22 disease.

23 It's distinguished from toxicology in that
24 toxicology is primarily focused on understanding the
25 specific relationships and pathogenesis of disease

1 caused by exposure to chemicals. Toxicology uses
2 epidemiology extensively in evaluating the cause of
3 disease.

4 Q. Are you a hematologist?

5 A. I'm an experimental hematologist, and I'm a
6 clinical laboratory scientist that uses hematology in
7 differential diagnosis.

8 Q. Do you hold yourself out as a hematologist?

9 A. Yes. As an experimental hematologist, I do.

10 Q. Now, is there a difference between a medical
11 doctor hematologist and an experimental hematologist?

12 A. Yes.

13 Q. What is the difference?

14 A. A medical doctor as a hematologist is involved
15 in the treatment of diseases involving the blood system.
16 I am not involved in the treatment of blood diseases.
17 An experimental hematologist is an individual who is
18 concerned with the understanding the mechanisms of
19 diseases involving the blood forming organ.

20 Q. Now, if I understood you correctly, you're not
21 a medical doctor, correct?

22 A. That's correct.

23 Q. And you did not go through a complete -- all
24 the courses that medical students have to go through,
25 correct?

1 A. That's correct.

2 Q. And you don't hold yourself out as an expert
3 in -- as a physician?

4 A. No.

5 Q. Is that true?

6 A. That's correct.

7 Q. Now, if I heard you correct, the work that
8 you're doing over in China is funded by some chemical
9 companies, too; is that correct?

10 A. It's -- it's funded by a consortium that is
11 made up of companies that are involved in petroleum.

12 Q. Okay. And there's a difference between -- at
13 least in your mind between petroleum companies and
14 chemical companies?

15 A. In general, yes.

16 Q. But your work even in China that you talked to
17 the jury about so far today is being funded by petroleum
18 companies?

19 A. That's correct.

20 Q. So, would it be fair to say that between 1977
21 through roughly today, in 2003, that much of your work
22 has been funded by either the chemical or the
23 petrochemical industry?

24 A. A great deal of it has. A great deal has also
25 been supported by federal funds and pharmaceutical

1 companies, and a variety of other sponsors. Certainly
2 because my focus and focus of my research is -- involves
3 primarily drugs and chemicals, much of my research
4 funding has come from the makers of those products.

5 Q. And I didn't mean to imply that you have not
6 done any other work in your career as a scientist other
7 than for the chemical and the petrochemical industry.
8 My only point was much of your work has been funded by
9 those industries? Is that true?

10 A. That's correct.

11 Q. Now, I am curious, because in your report, and
12 I have seen a report -- is your report dated October
13 24th of 2003?

14 A. Let me look at the date here. Yes, it is.

15 Q. In your report, it's a number of pages, you --
16 you do make some opinions based upon Mr. Cowey's
17 exposures within the report, is that a fair statement?

18 A. I make some opinions on exposure that are
19 based upon a report that I reviewed by Mr. Spencer.

20 Q. All right. Now, I think what you've told me
21 so far, I want to make sure we're on the same page, is
22 that you don't feel as you sit here today that you have
23 seen competent evidence, if you will, or proof, of what
24 Mr. Cowey's exposures to benzene or benzene-containing
25 products has been throughout his life, is that a fair

1 assessment?

2 A. No. Not exactly. Based on the materials that
3 I've reviewed in this case, I cannot make a reasonable
4 quantitative assessment of what his exposure was. I did
5 review Mr. Spencer's report which provided me with a
6 worst-case scenario, and I did reach a conclusion based
7 upon that with respect to given a worst-case scenario,
8 what, in fact, the relationship would have been between
9 Mr. Cowey's exposure to benzene and potential
10 development of his disease.

11 Q. And that's what I want to visit with you about
12 for -- for a moment is the report that you relied upon
13 that Mr. Spencer provided you. Do you have that with
14 you?

15 MR. RILEY: Objection, form.

16 A. I don't know whether I do or not.

17 MR. LUBEL: We're going to take a short
18 break, Dr. Irons, and if -- I am not saying you can't do
19 anything else; but over the break, will you look for
20 that report?

21 THE WITNESS: Yes.

22 MR. LUBEL: Thank you.

23 THE VIDEOGRAPHER: Going off the record.

24 Time is approximately 11:41 a.m.

25 (A break was taken.)

1 THE VIDEOGRAPHER: This is the beginning
2 of tape 2. The time is approximately 11:55 a.m. We're
3 now back on the record.

4 Q. (BY MR. LUBEL) Dr. Irons, before we took the
5 break, I asked you to try and locate the Mr. Spencer
6 report that you -- that you've addressed earlier,
7 correct?

8 A. Yes.

9 Q. Did you find it?

10 A. Yes, I did.

11 Q. What product did Mr. Spencer test?

12 A. Liquid Wrench, I believe.

13 Q. And do you know if the components of the
14 Liquid Wrench that he tested were the components in the
15 Liquid Wrench that Mr. Cowey used?

16 A. I can't say that on the basis of my review of
17 the report.

18 Q. Can you tell if the product called Liquid
19 Wrench that Mr. Spencer tested was a 2003 product?

20 A. No. I have no idea.

21 Q. Do you see the reference in Mr. Spencer's
22 report about how he added benzene or had benzene added
23 to the Liquid Wrench product?

24 A. Certainly -- well, what I have is the amounts
25 that were -- were in the formulations. I don't know

1 exactly how he compounded those.

2 Q. But, as you sit here today as an expert
3 witness for United States Steel Corporation, you can't
4 tell us under oath whether or not the product that
5 Mr. Spencer tested was in fact a product that had the
6 same or substantially similar ingredients to that that
7 Mr. Cowey used, correct?

8 A. I can't say that. No.

9 Q. And I take it that it's -- there's those kind
10 of deficiencies, if you will, or shortcomings, in
11 Mr. Spencer's report that have put you in a position to
12 say, I don't have any meaningful information, I think is
13 the word you used, or I can't make a meaningful
14 evaluation of Mr. Cowey's benzene exposures?

15 MR. DILLARD: Object to the form of the
16 question.

17 Q. (BY MR. LUBEL) Is that what you said?

18 MR. DILLARD: Object to that question.

19 A. I reviewed this report and I've reviewed the
20 testimony of Dr. Rose, and Dr. Rose's testimony provides
21 me with absolutely no basis or quantitation or estimate
22 of what Mr. Cowey's exposure to benzene might have been.
23 Mr. Spencer's report is a -- is to my understanding an
24 estimate of worst-case exposure scenario based upon the
25 modeling conditions that he used in deriving this

1 report. So, I have independently used these numbers in
2 evaluating worst-case scenario for potential exposure.

3 But I have seen, in certainly Dr. Rose's report, no
4 information, nor in Mr. Spencer's report, information
5 that would allow me to evaluate specifically what
6 Mr. Cowey's personal exposure would have been.

7 MR. LUBEL: Objection, nonresponsive

8 Q. (BY MR. LUBEL) Did you earlier today in your
9 testimony make a statement that you didn't feel as
10 though you were in a position to make a meaningful
11 evaluation of Mr. Cowey's benzene exposures based upon
12 the information that had been provided to you?

13 A. That's correct.

14 Q. Do you stick by that statement now that I'm
15 asking you questions?

16 A. Yes. That's correct.

17 Q. Now, my question is: Is part of the reason
18 that you have that opinion that you can't make a
19 meaningful evaluation of Mr. Cowey's exposures to
20 benzene -- is part of the basis for that because of
21 Mr. Spencer's report does not give you the detail you
22 need to know whether, in fact, he's even testing a
23 product that, in fact, Mr. Cowey used?

24 A. No.

25 Q. That's not part of it?

1 A. My evaluation of Mr. Spencer -- I used
2 Mr. Spencer's report in providing an independent
3 evaluation of potential worst-case scenario for exposure
4 to Liquid Wrench given different formulations of benzene
5 in modeling that Mr. Spencer did. It's independent and
6 separate from my opinion, which is that I have no basis
7 to make a meaningful assessment of what Mr. Cowey's
8 specific exposure to benzene is.

9 Q. Can you tell the jury how much benzene
10 Mr. Cowey was exposed to when he would be underneath the
11 houses using Liquid Wrench, in what he called, I
12 believe, crawl spaces?

13 A. No, I can't.

14 Q. Does Mr. Spencer within his report provide you
15 any information regarding that particular task, what
16 Mr. Cowey was exposed to, for the Liquid Wrench he was
17 using?

18 A. Mr. Spencer uses a couple of different
19 mathematical models and assumes certain conditions with
20 respect to ventilation and air flow. I can -- I am not
21 an industrial hygienist and I can't tell you whether or
22 not those comport with conditions that would be
23 representative of a crawl space or not.

24 Q. But in Mr. Spencer's report, does he make any
25 reference to Mr. Cowey's exposures in the crawl spaces

1 underneath the houses? Do you see that in there?

2 A. Not specifically, no.

3 Q. I want to talk to you for a minute about your
4 -- one of the major pieces of work that you said that
5 you've done over your career, is do work for
6 pharmaceutical companies.

7 A. Yes.

8 Q. Which companies?

9 A. Roche, Astra, Fujisawa, Lily, Sandoz. I'm
10 probably leaving a couple out.

11 Q. How about Bayer?

12 A. No. Not that I'm aware of. They may own
13 somebody. I mean in this business, I can't tell.

14 Q. Now, when you did work on behalf of the
15 pharmaceutical companies, would those companies actually
16 fund your work?

17 A. Well, they paid me. On occasion -- on
18 occasion I have consulted specifically in -- on issues
19 related to drug development or certification. Other
20 times I've done research on products with respect to
21 evaluating mechanisms of toxicity. I've done both,
22 sometimes interchangeably.

23 Q. Are you familiar with any asbestos-related
24 diseases?

25 A. Yes, in general.

1 Q. Have you done any reading on the disease
2 mesothelioma?

3 A. Yes.

4 Q. Do you recognize mesothelioma to be an
5 asbestos-related malignancy or cancer?

6 A. It is generally considered to be that, yes.

7 Q. Are you aware of any other known and accepted
8 causes of mesothelioma other than asbestos?

9 A. No, with the proviso that other fibers with
10 asbestos-related properties, I think, probably, have the
11 potential to do the same.

12 Q. Are you familiar with the studies that concern
13 housewives contracting mesothelioma from washing their
14 husband's clothes that contained asbestos, after work?

15 A. No, I'm not.

16 Q. Would it surprise you if there were, in fact,
17 studies of housewives contracting asbestos-related
18 malignancies such as mesothelioma from simply washing
19 out the clothes of their husbands after they had
20 returned from work?

21 MR. RILEY: Objection, form.

22 A. I would have to review those papers before I
23 could give you a meaningful opinion on them. I haven't
24 seen them and I'm not familiar with them.

25 Q. (BY MR. LUBEL) Would it surprise you,

1 Dr. Irons, that in those studies that conclude that
2 housewives exposed to asbestos while washing out their
3 spouse's clothes could contract the disease, or did, in
4 fact, contract the disease mesothelioma, that in those
5 studies there was no quantitative exposure -

6 MR. RILEY: Objection, form.

7 Q. (BY MR. LUBEL) -- that was referenced in the
8 study?

9 A. Without referring -- without having a chance
10 to review the papers, I couldn't give you an informed
11 opinion on them.

12 Q. Now, one of the things that you brought with
13 you is a three-ring binder that has multiple documents
14 including studies in it, correct?

15 A. Yes.

16 Q. And I'd like to mark that as Exhibit Number 2
17 to your deposition and have either Mr. Dillard's office
18 or the court reporter make a copy of that and then
19 return the original to you, is that okay?

20 A. That's acceptable.

21 Q. Now, you were kind enough over the last break
22 we took to let me see that thick book of studies,
23 correct?

24 A. Yes.

25 Q. And if we look at, I believe, the first or

1 second study is a 1965 article about the Bradford Hill
2 -- Hill criteria that you have discussed today. Do you
3 see that?

4 A. That would probably be the first -- that's the
5 first address given by Sir Austin Bradford Hill, yeah.

6 Q. And what number is that in your book? Is that
7 the second cite -- tab, if you will?

8 A. It's Number 1.

9 Q. It's Number 1? Now, you -- you have referred
10 on many occasions to those particular scientific
11 criteria, if you will, today?

12 A. Yes.

13 Q. Is that a fair statement?

14 A. Yes.

15 Q. And one of the statements that I believe that
16 you've made is that the Sir Bradford Hill criteria
17 require quantitative exposure levels to establish or
18 make an inference of causation; is that true?

19 A. One of the criteria within the rubric of
20 Evidence Based Medicine and the Bradford Hill criteria
21 is dose response, and that is what requires quantitative
22 analysis of exposure.

23 Q. That there is, in fact, a dose response?

24 A. In order to establish that there is a dose
25 response, you have to know what the dose is, and that

1 requires quantitative analysis.

2 Q. Well, let me ask you this. Is there a dose
3 response between benzene and AML?

4 A. Yes.

5 Q. In your opinion, has that been successfully
6 plowed through, if you will, or discussed in the
7 literature?

8 A. We have a literature that provides us with a
9 quantitative dose response for benzene.

10 Q. And, in fact, dose response means, and correct
11 me if I am wrong, is that you would expect if a person
12 is exposed to more of a chemical, that the response
13 would be greater, is that generally what it means? Or
14 am I misstating that? I don't want to put words in your
15 mouth.

16 A. That requires -- in order -- in order for me
17 to answer that requires a little bit more specificity.
18 Dose response applies to populations, and it also
19 applies to individuals, but there are differences.

20 In a population dose response refers to, in
21 general, certainly given the design of most epidemiology
22 studies, the frequency of a given disease in the
23 population as a function of what they were exposed to.
24 So the number of cases or the incidence.

25 In an individual, dose response either refers

1 to the probability that they developed a certain
2 disease, or to the severity of the symptoms that they
3 may encounter if, in fact, they're related to dose. So,
4 in other words, if you increase the dose, you increase
5 the severity of the symptoms.

6 Q. And there's what's referred to as a dose
7 response curve; is that true?

8 A. That's correct.

9 Q. And if you would go ahead and draw out on your
10 yellow sheet of paper what curve you would expect to
11 see, in general, to fulfill this dose response criteria
12 of the Sir Bradford Hill criteria, or test.

13 A. I'm not exactly sure what you're asking me to
14 do.

15 Q. It was a poorly phrased question. Let me --
16 let me start over on that, so that you and I are talking
17 the same language to the extent that that's even
18 possible.

19 Can I borrow your piece of paper?

20 A. Sure.

21 Q. I have drawn on the sheet of paper two axis,
22 if you will, correct? Do you see that?

23 A. Yes.

24 Q. If you would just point -- hold that up to the
25 jury so they can see what you and I are talking about.

1 All right. Now, if I can have that back, let
2 me draw another line.

3 Now, if you would take a look at that and hold
4 that up. If the -- and the line I've drawn that angles
5 upward, if your dose goes up, you would expect a greater
6 response to chemicals such as benzene; is that true?

7 A. If your -- if your dose response curve is
8 linear, which is a major assumption, then that's true.

9 Q. All right. Now, will you draw for us on a
10 separate piece of paper, or below that if you can, what
11 the dose response chart looks like for benzene?

12 A. With respect to a complete dose response going
13 from zero effect to a measurable effect, I can't do
14 that, because we don't know basically what the dose
15 response is at concentrations below which we can see an
16 effect. The observable effect is one that has to be
17 quantitated on the basis of appropriate statistics and
18 industrial hygiene.

19 Q. But would you agree, Dr. Irons, that there is
20 a dose response for benzene and MDS when you take into
21 account the Sir Bradford Hill criteria?

22 A. We have a dose response for benzene based on
23 Bradford Hill, Evidence Based Medicine, Henle-Koch.
24 There's a clear-cut dose response in the sense that
25 there are specific cumulative exposures that have been

1 associated with a statistically significant increase in
2 acute myelogenous leukemia; and there are exposures,
3 cumulative exposures, below which we don't have any
4 evidence of a significant increase.

5 Q. But my question is: Is there a dose response
6 with respect to benzene and MDS, the disease that
7 Mr. Cowey has?

8 A. Only in the context of MDS/AML, not in the
9 context of MDS itself.

10 Q. All right. Now, can -- looking at the actual
11 1965 paper that you have before you on the Bradford Hill
12 criteria, can you locate the section for us that talks
13 about dose response?

14 A. Biological grading.

15 Q. What page?

16 A. Dose response.

17 Q. What page are you on?

18 A. 298.

19 Q. And can you read for us the relevant section
20 on the dose response criteria that you have been talking
21 about with the jury at some length today?

22 A. Well, certainly in the original Bradford Hill
23 criteria, he says, "Fifthly, if the association is one
24 which can reveal a biological gradient or dose response
25 curve, then we should look most carefully for such

1 evidence. For instance, the fact that the death rate
2 from cancer of the lung rises linearly with the number
3 of cigarettes smoked daily adds a very great deal to the
4 simpler evidence that cigarette smokers have a higher
5 death rate than nonsmokers. That comparison would be
6 weakened, though not necessarily destroyed, if it
7 depended upon, say, a much heavier death rate in light
8 smokers and a lower rate in heavier smokers. We should
9 then need to envisage some more complex relationship to
10 satisfy the cause and effect hypothesis. The clear dose
11 response curve admits of a simple explanation and
12 obviously puts the case in a clearer light."

13 Q. All right. Now, let me stop you there and
14 talk to you about that for a second. The example that's
15 given in that Sir Bradford Hill criteria document is
16 cigarette smoking, correct?

17 A. That's correct.

18 Q. And does it stand for the proposition that
19 people that smoke more are more -- or at greater risk of
20 developing lung cancer?

21 A. Yes.

22 Q. And would it also hold true, if we applied
23 that same concept to people that are exposed to benzene,
24 that people that are exposed to benzene would be at
25 greater risk if they're exposed to more benzene?

1 A. Yes.

2 Q. You don't disagree with that, do you?

3 A. No.

4 Q. And did the studies bear that out, that in
5 fact there is a relationship?

6 A. Yes.

7 Q. Now, Where in the Sir Bradford Hill criteria
8 document that you have before you does it get into the
9 quantitative issues with regard to the individual as
10 opposed to the quantitative issues regarding a
11 population?

12 A. That is the basic -- Bradford Hill does not
13 address dose response in that context. It addresses it
14 in terms of the gradient I've just described as a
15 criteria. Dose response with respect to population
16 versus individual, severity of response is subsumed in
17 the entire field of toxicology.

18 Q. Can you find for us any peer-reviewed
19 publication in your three-ring book there that stands
20 for that proposition?

21 A. Probably not. But I could cite to several
22 text books that describe it in extreme detail. I didn't
23 bring my toxicology -- basic toxicology literature with
24 me. Pharmacology toxicology, the concept of dose
25 response is extremely well exhaustively described.

1 Q. Are any of the studies that you have with you
2 studies that address the specific product Liquid Wrench?

3 A. No.

4 Q. There is no such study, correct?

5 A. Not to my knowledge.

6 Q. Are there any studies that you brought with
7 you that addressed solvents, products that contained
8 benzene?

9 A. Yes.

10 Q. And can you just tell us the tab numbers of
11 the studies that discuss mixed solvents, if you will?

12 A. This could take a little while.

13 MR. LUBEL: Do you-all mind if we go off
14 the record while he is doing this?

15 THE VIDEOGRAPHER: Going off the record.
16 Time is approximately 12:19 p.m.

17 (A break was taken.)

18 THE VIDEOGRAPHER: We're now back on the
19 record. Time is approximately 12:25 p.m.

20 Q. (BY MR. LUBEL) You can proceed, Dr. Irons.

21 A. Yes.

22 Q. Were you able to give us the tab numbers?

23 A. There are several that deal with solvents
24 and/or benzene and solvents: 30, 31, 32, 35, 39, 40,
25 41, 42, 43, 44, 46, 47, 48; 51 is an error in that the

1 title page is correct but the chapter that was copied is
2 not the correct chapter. And for which I apologize.

3 Q. Now, would it be fair to say that the
4 remaining studies that you didn't read us off the tab
5 numbers within your three-ring binder involved pure
6 benzene?

7 A. No. There are studies in here that deal with
8 a variety of different issues, not just benzene,
9 including disease causation, specificity, and other
10 issues. There are three that deal specifically with --
11 that quantitatively deal specifically with pure benzene
12 exposure.

13 Q. Within your references that you brought with
14 you, do you have the study by Hayes, Yin, and others,
15 from 2000, that was in the Journal of toxicology and
16 Environmental Health?

17 A. I don't believe so.

18 Q. The title is, "Benzene and lymphohematopoietic
19 malignancies in China."

20 A. I don't believe it's in here.

21 Q. Have you seen that study before?

22 A. Yes, definitely.

23 Q. Are you aware that that is a -- a study that
24 concludes that benzene exposures cause MDS?

25 A. It includes MDS/AML as a subcategory. It

1 includes them together as a form of AML that is -- that
2 develops secondary to exposure to alkylating agents.

3 Q. But were you aware that this particular study
4 states that benzene exposure causes MDS?

5 A. It causes -- that particular study quantitates
6 the relationship between exposure to benzene by the
7 criteria that they use, and the development of MDS/AML.

8 Q. Do you have any criticisms of this study?

9 A. Yes, I do. The -- the exposure analyses are
10 extremely sparse, and, in fact, don't actually measure
11 exposure, direct exposure, in majority of individuals,
12 which makes it very limited for use in evaluating risk,
13 quantitative risk. It does, however, reaffirm and
14 confirm some of the other findings that have been
15 described in other more reliable quantitative studies,
16 including the relationship between benzene exposure and
17 AML and AML/MDS.

18 Q. Were you aware that that particular study
19 stated that there were significant excess risks for MDS
20 from benzene exposure?

21 A. Yes.

22 Q. You don't disagree with that statement, do
23 you?

24 A. No.

25 Q. How long have you known about the study that

1 was published apparently in the year 2000?

2 A. That study is one of several that was -- that
3 basically describes the -- that paper is one of several
4 that describes the same study that was conducted by the
5 NCI in China. I have been aware of it for quite a long
6 time.

7 Q. What is the NCI in China? What do you mean by
8 that?

9 A. The National Cancer Institute.

10 Q. Is that a Chinese governmental agency?

11 A. No. It's a U.S. Governmental agency. U.S.

12 Q. Oh, the National Cancer Institute?

13 A. Yes.

14 Q. Okay. Were there Chinese scientists that were
15 involved in this study?

16 A. Yes. Yin Song Nian was the Chinese principal
17 investigator.

18 Q. Were you aware that that particular study was
19 a collaborative study that involved the Chinese Academy
20 of Preventive Medicine?

21 A. Yes.

22 Q. And as you stated, the United States National
23 Cancer -- Cancer Institute?

24 A. Yes.

25 Q. And were there, in fact, good scientists that

1 worked on this particular study?

2 A. Certainly.

3 Q. And good scientists can disagree, correct?

4 A. Yes.

5 Q. And remain good scientists?

6 A. Yes.

7 Q. You don't agree with everything that Dr. Yin
8 or Dr. Hayes have stated about benzene, I take it?

9 A. No.

10 Q. And that doesn't make them bad scientists,
11 does it?

12 A. No.

13 Q. Have you made your criticisms regarding this
14 particular study known to the National Cancer Institute,
15 and the Chinese Academy of Preventative Medicine?

16 A. Oh, yes, several times. My criticisms of that
17 study are widely known, and are consistent with some of
18 the general criticisms that have been made about that
19 study. I don't know why they even published it.

20 Q. And your criticisms about this particular
21 study, are they in writing anywhere?

22 A. I've referred -- well, I know that I certainly
23 referred to criticisms that have been made with respect
24 to the quantitative limitations of that particular
25 study, because they have been made in government

1 publications, and I've referred to them. The EPA, for
2 instance, has -- won't use that for risk assessment
3 because of the quantitative limitations in it.

4 That's widely known. I've commented on it,
5 and I think several other people have as well.

6 MR. LUBEL: Objection, nonresponsive.

7 Q. (BY MR. LUBEL) My question simply is: Have
8 your criticisms of this 2000 study by Yin and Hayes and
9 others, have you made those criticisms known to them in
10 writing? Do you have a document, a letter, another
11 article that you published that talks about these
12 criticisms?

13 A. I've referred to them before. I am certain of
14 it. Precisely where, I would have to review my writings
15 to tell you exactly. I've also publicly commented on
16 them at meetings, and I'm -- specifically one in Ottawa
17 conducted several years ago.

18 There -- they're certainly not arcane or
19 esoteric.

20 Q. Let me ask you this: There's a discussion in
21 this article that talks about how cases of MDS have been
22 reported in prior exposure to solvents, and they refer
23 to a 1990 study by -- is it Venisse?

24 A. I'd have to look at it to comment on that. I
25 -- I don't know who you're referring to.

1 Q. How about Ciccone, C I C C O N E?

2 A. Ciccone.

3 Q. Ciccone?

4 A. Yes.

5 Q. Have you seen that study?

6 A. Yes, I have.

7 Q. Do you have that with you?

8 A. Yes, I do.

9 Q. All right. And then they reference a case
10 control study in Great Britain by Mehlman, 1987. Do you
11 have that study with you?

12 A. No. I don't.

13 Q. Have you looked at that study?

14 A. I don't know what specific study it is. I may
15 have, may not have.

16 Q. They cite it for showing an association of MDS
17 with a history of exposure to gasoline and diesel fumes
18 or liquids. Does that spark your memory?

19 A. No.

20 Q. Have you seen the -- the Rothman work on
21 benzene?

22 A. Rothman is one of the authors on the NCI
23 series of papers that all refer to this same study.

24 Q. Have you seen his 1996 work?

25 A. There are numerous publications dealing with

1 the same study in different forms and different formats.
2 If you want to refer to a specific paper and you've got
3 it, I can give you an informed response; but, the fact
4 is there are numerous articles that have been published
5 by that group. In fact, I'm a coauthor on at least one,
6 if not more of them. So, I can't speak to a specific
7 date and know precisely which publication you're talking
8 about.

9 Q. Are you familiar with the scientist named
10 Dosemeci?

11 A. Yes.

12 Q. Is he a good doctor, good scientist?

13 A. He's a statistician. I don't know much more
14 about him than that.

15 Q. Have you seen any of his work on benzene?

16 A. Yes.

17 Q. Do you have any criticisms of that work?

18 A. He's attempted to use mathematical models
19 instead of actually measuring benzene. I think in
20 general if you're going to try to develop an accurate
21 dose response, especially when you're looking at fa --
22 thousands of facilities, that you have to have some
23 basis for understanding what the exposures were on a
24 quantitative basis and what the confounders are. A math
25 -- mathematical model is not a surrogate for measuring

1 exposure.

2 Q. And I take it that you've seen the -- the work
3 out of Australia by their petroleum institute that was
4 at least sponsored in part by ExxonMobil Corporation?

5 A. If you're talking about the Health Watch
6 Study, I am generally familiar with that study.

7 Q. And were you aware that in that study, they
8 concluded that excess risk of leukemia appears to be
9 associated with lower cumulative exposures, and exposure
10 intensities when compared to other petroleum industry
11 studies where an excess of leukemia risk had been found?
12 Are you familiar with that conclusion?

13 A. I'm familiar with that, and I'm also familiar
14 with the design and issues related to that study, one of
15 which you mentioned, which is the relative inability to
16 segregate or to distinguish one type of anemia from
17 another. Excuse me, leukemia.

18 Q. So, you -- you disagree with the Australian
19 Petroleum Institute study that found that there was an
20 excess risk of leukemias even in lower exposure -- at
21 lower exposure levels than had previously been reported?

22 A. I think that that subject is some question.
23 They confirmed previous studies with respect to risks
24 associated with acute myelogenous leukemia at higher
25 concentrations, but they are -- significance is seeing

1 lower concentrations for nonspecific leukemia and they
2 have extremely few -- low numbers, so they have
3 difficulty in distinguishing between the disease types
4 and the exposures.

5 Q. Do you have any other criticisms or complaints
6 with that study other than what you've told us about?

7 A. Well, it would depend on what you're referring
8 to. That study itself, and the document that I have
9 read, is probably 200 pages long. If you're asking me
10 whether the only statement or criticism I have of it is
11 which I just said, is probably not. But, with respect
12 to the issues of exposure analysis and dose response,
13 those are my principal criticisms. It's unstable for
14 specific disease association in exposure at the lower
15 doses.

16 Q. Do you agree with the finding in that study
17 that smoking does not increase the risk of developing
18 AML?

19 A. There are other studies out -- there are a
20 number of studies, including some government studies
21 looking at meta-analysis of smokers involving huge
22 numbers of smokers, that does show an increased risk of
23 AML associated with smoking, so I would disagree with
24 the conclusion of this -- of the Health Watch study
25 insofar as it doesn't comport with much larger studies

1 that have seen an excess risk associated with smoking.

2 Q. Now, in your report in this case, you don't
3 conclude that Mr. Cowey's MDS is caused by any prior
4 smoking history he may have had, correct?

5 A. I think by and -- by and large and far away
6 the most significant risk factor associated with
7 Mr. Cowey's disease is age. That doesn't --

8 Q. My question --

9 A. -- exclude the possibility that smoking might
10 play a role, but I did not consider in my evaluation of
11 Mr. Cowey that smoking played a prominent role in the
12 development of his disease.

13 Q. Does your report anywhere in there state or
14 make reference to smoking playing a role in his MDS?

15 A. No. I just said I did not conclude that.

16 Q. Well, can you find for us the studies that you
17 brought with you that demonstrate that age causes MDS?

18 MR. DILLARD: Object to form.

19 A. As a risk factor, yes. Age as the -- a risk
20 factor for MDS is described several times in the
21 literature. The most recent one, I've got an excerpt
22 from a monograph by Neal Young in which he cites a
23 Birmingham study. That's one.

24 Q. (BY MR. LUBEL) But is it your opinion --

25 MR. DILLARD: Why don't we get those

1 marked so we know what we're talking about?

2 A. And independently, as stated by Williamson, et
3 al, that is actually from the mid '90s showing --
4 establishing the incidence of myelodysplastic syndrome
5 with age.

6 Q. (BY MR. LUBEL) My question, Dr. Irons, is, is
7 it your testimony and your opinion that age causes MDS?

8 A. Age is a co-factor. It is a confounder, a
9 risk factor. It is understanding the relationship
10 between confounders and risk factors is an important
11 tenet in Bradford Hill and Evidence Based Medicine. It
12 does not mean that one can say that age is a cause. It
13 is an important influence and co-factor that has to be
14 evaluated in determining causation, and in excluding
15 other potential etiologies.

16 Q. So, if I understand you correctly, what you're
17 saying is that as a person's age increases, or a person
18 gets older, they're at an increased risk of developing
19 MDS?

20 A. Absolutely.

21 Q. But you're not saying that age itself causes
22 MDS?

23 A. I think that's semantic. I could say the same
24 thing with respect to exposure to alkylating agents;
25 that as you're exposed to alkylating agents, your risk

1 factor increases, or your risk of developing disease
2 increases. Do the alkylating agents directly cause it?
3 That involves understanding the pathogenesis and
4 molecular mechanisms of toxicity, and to that extent I
5 can't say with respect to alkylating chemotherapeutic
6 agents or age what is the defining factor that's
7 associated with that increased risk, but it's clearly
8 established in the quantitative literature.

9 Q. Do you have any quantitative literature that
10 states, that concludes, that age causes MDS?

11 A. No.

12 Q. Is there, in fact, literature that -- that is
13 peer reviewed that states that chemotherapy agents can
14 cause MDS?

15 A. Yes.

16 Q. Peer-reviewed studies?

17 A. Yes.

18 Q. Have you brought with you all of your file
19 materials regarding your opinions and the bases for your
20 opinions today?

21 A. I believe so. Other than references you've
22 made to general textbook concepts that I haven't
23 documented in the material that I have brought with me.

24 Q. Other than that, have you brought the
25 documentation?

1 A. Yes.

2 Q. Do you have your billing records?

3 A. That I don't believe I have.

4 Q. Have you been paid for your services yet?

5 A. To date, yes.

6 Q. And do you know approximately how much you
7 have billed prior to this deposition starting?

8 A. Total fees and expenses, I believe, is on the
9 order of \$10,000.

10 Q. Would that include today or just before today?

11 A. No, that's before today.

12 Q. And what is your billing arrangement? How do
13 you bill and how much?

14 A. I bill personally \$500 an hour for time.

15 Q. Does that include travel time?

16 A. Yes.

17 Q. Does that include time where you're reviewing
18 materials?

19 A. Yes.

20 Q. Does that include testimony time, like here
21 today?

22 A. Yes.

23 Q. I understand that you're not going to make it
24 to this trial, if it goes forward in December, correct,
25 because you're going to be out of the country?

1 A. That's correct.

2 Q. But if you -- if this case for some reason

3 were to get continued, and you were to make it to trial,
4 do you have a different rate for attending trial?

5 A. No.

6 Q. You charge by the hourly basis for coming to
7 trial, or do you have a day rate?

8 A. Hourly basis.

9 Q. And would it be fair to say that Mr. Dillard's
10 law firm, Fulbright & Jaworski, has paid your bills up
11 through the beginning of today?

12 A. Yes.

13 Q. When did you arrive for your deposition?

14 A. Yesterday evening.

15 Q. And have you had a chance to visit with
16 Mr. Dillard or other representatives from United States
17 Steel Corporation to discuss your testimony in this
18 case?

19 A. I met with Mr. Dillard last night.

20 Q. Did you-all go to dinner?

21 A. Yes, we did.

22 Q. And did you-all visit about the case?

23 A. Yes.

24 Q. That's not uncommon between experts and the
25 lawyers that hire them, is it?

1 A. No.

2 Q. Have you been provided with the reports by
3 Dr. Nettleson and Dr. Raabe?

4 A. Yes, I have seen them.

5 Q. Did you review those?

6 A. Yes.

7 Q. And were you aware that some of the opinions
8 that they give are different than what you say?

9 MR. DILLARD: Object to the form.

10 A. In some minor degree, yes.

11 Q. (BY MR. LUBEL) In other words, were you aware
12 that Dr. Raabe has taken the position that benzene
13 exposure does not cause MDS?

14 MR. DILLARD: Object to the form.

15 A. That's -- that wasn't -- that's not exactly my
16 impression of Dr. Raabe's -- what I've read in
17 Dr. Raabe's report. I think he correctly points out
18 that there are no quantitative -- there's no
19 quantitative basis to specifically demonstrate the
20 quantitative exposure to benzene, specifically as
21 associated with the development of MDS specifically. A
22 great deal of the basis for concluding that MDS is
23 associated with benzene exposure comes from our
24 knowledge of MDS as a part of secondary AML, and the
25 enormous data base we have with respect to alkylating

1 chemotherapeutic agents and the development of that
2 disease.

3 MR. LUBEL: Objection to the
4 nonresponsive portion.

5 Q. (BY MR. LUBEL) Is it fair, Doctor, for
6 scientists such as yourself to review and rely upon
7 literature on AML in assessing MDS?

8 A. To the extent that we're talking about AML
9 that is preceded by MDS, and that is described as a
10 specific entity, yes. It's actually a di -- a specific
11 diagnostic paradigm within the WHO classification of
12 hematopoietic lymphoid diseases.

13 Q. And I take it that one of the reasons for
14 which you're able to hold the opinion that benzene
15 exposures can cause MDS is based upon the AML literature
16 that you're familiar with?

17 A. That's correct.

18 Q. All right. Let's talk for a minute about
19 latency period. And I believe that you made a statement
20 that the outside time limit -- limit for latency period
21 is 15 years?

22 A. It's certainly in terms of the quantitative
23 literature that -- that appears to be an outside number.

24 Q. Can you pull for us the peer-reviewed studies
25 that state that in order for a malignancy to be related

1 to benzene, that the malignancy must manifest itself
2 within 15 years of the last exposure?

3 A. There's no literature that's specifically
4 going to say that. The way in which latency is
5 determined is by looking at the distribution of cases
6 that -- and the time that they occur relative to
7 exposure in studies that have demonstrated a
8 quantitative relationship.

9 The only study that infers and does not
10 specifically indicate -- actually implies is the proper
11 term -- a latency as late as 15 years, would be Wong
12 update of the original pliofilm study in which the
13 follow-up cases included at least one case that appeared
14 15 years after exposure. The vast majority of cases
15 conform to the nine-and-a-half to ten-year period that I
16 referred to, and certainly prior to that study, that was
17 the general consensus and can be found in several
18 different places and documents.

19 Q. But you -- you don't have with you a
20 peer-reviewed published study that states that?

21 A. States that? No.

22 Q. Now, if we go back and we look at the Sir
23 Bradford Hill criteria, one of the criteria -- criteria,
24 I believe you referenced was temporal relationship?

25 A. Yes.

1 Q. And you've subsumed the term "latency" within
2 that criteria, correct?

3 A. Latency is in -- is included within temporal
4 relationship.

5 Q. And the temporal relationship can be important
6 because one of the things that scientists need to look
7 at is whether, in fact, there's been an exposure before
8 the disease onset?

9 A. That would be the extreme temporal -- I mean,
10 it's a spectrum. But, yes, obviously it's easier to
11 illustrate that temporal relationship than many others.
12 But if the exposure does not precede the onset of the
13 disease, you obviously have a temporal issue.

14 Q. And that's an important criteria that Sir
15 Bradford Hill surely recognized?

16 A. Yes.

17 Q. And you don't differ with that?

18 A. No.

19 Q. Let me talk to you about dose response for a
20 minute. Are you familiar with any substances for which
21 there is a dose response relationship; however, at a
22 certain point, as the dose increases, it can overwhelm
23 the system and -- and, in fact, can change the
24 mechanics, if you will, of causation? In other words, a
25 person can have a greater exposure at a certain level

1 and, in fact, have a reduced risk?

2 A. In populations, yes. For individuals, it's
3 not really biologically plausible, but for populations,
4 yes. That's well described.

5 Q. Can you give us some examples of that?

6 A. Radiation, ionizing radiation. The dose
7 response for leukemia and ionizing radiation has a bell
8 curve at the extreme end of the spectrum.

9 Q. And what is the bell curve? For people that
10 don't know, where did that --

11 A. It means that at extremely higher doses, the
12 actual incidence rate goes down. That's well described
13 for agents that are extremely cytotoxic, immunogenic.

14 Q. What is the scientific explanation for the
15 bell curve?

16 A. There are two potential explanations, both of
17 which are plausible.

18 One is that in the case of radiation that the
19 radiation itself is causing other injuries that compete
20 with the incidence of the disease for survival and/or
21 sickness. The other is that they, in fact -- that
22 radiation may, in fact, influence the expression of the
23 disease in individuals as a function of damage.

24 Q. Have you seen anyone determine Mr. Cowey's
25 dermal exposures?

1 A. Specifically, no.

2 Q. Do you have an opinion as to whether or not
3 dermal exposures can contribute to hema -- hematological
4 disorders?

5 A. Unless a person is wearing respiratory
6 protective equipment, dermal exposure almost never for
7 solvent exposure represents an important factor relative
8 to inhalation.

9 Q. My point is, is can it contribute to the
10 disease process?

11 A. Yes, and studies that have basically looked at
12 quantitative benzene exposure, by definition, include
13 dermal as well as inhalation exposure.

14 Q. And have you brought those studies with you?

15 A. They -- any of the studies that looked at the
16 epidemiology of benzene, including those I have brought,
17 have as a matter of -- of fact contained within the
18 exposure scenario dermal exposure.

19 Q. How do they quantify the dermal exposure?

20 A. The dermal exposure is part and parcel of what
21 the individual is exposed to. Most of the studies are
22 quantitative only airborne exposure, but the dermal
23 exposure is part of those exposure scenarios.

24 Q. I am not disagreeing with you. I am just
25 asking you in those studies, how did they actually

1 quantify the dermal exposures?

2 A. Those studies don't. There are an independent
3 set of studies that have looked at the physical chemical
4 properties of solvent flux through the skin and
5 quantitated benzene, and other solvents specifically,
6 and those studies, as a group, confirm that solvent
7 exposure through the skin, especially for benzene, is a
8 relatively minor component, even at high levels of
9 exposure, inhalation being the dominant exposure.

10 Q. But you're not suggesting to this jury that
11 dermal exposures are safe and inhalation exposures are
12 not, are you?

13 A. I am saying that chronic exposure to benzene
14 at concentration -- or to deliver a dose of benzene via
15 the skin that would be consistent with airborne
16 exposures that are associated with adverse health
17 effects, is an extreme phenomenon, and one that's going
18 to be -- that is inconsistent with typical dermal
19 exposure even under conditions of high level inhalation
20 exposure.

21 Q. But if somebody has got -- has received both
22 inhalation exposures and dermal exposures, you're not
23 telling this jury that the dermal exposures are of zero
24 consequence?

25 A. I am saying that the major consequence, and

1 certainly the consequence associated with chronic
2 effects of benzene, is predominantly due to inhalation,
3 and that dermal exposure, to be consistent with levels
4 that we know, or doses that we know cause, for instance,
5 chronic toxicity to the blood or bone marrow, are only
6 consistent with extreme pathology to the skin and are
7 not consistent with relatively short-term or low-level
8 exposure to the skin.

9 Q. So, if I understand you correctly, in your
10 opinion, the inhalation exposures are far more important
11 than the dermal exposures to the disease process, but
12 you do acknowledge that the dermal exposures may
13 contribute to it?

14 A. Dermal exposure is going to contribute to a
15 minor extent to the overall body burden or dose of
16 benzene. The predominant exposure -- the route that I
17 believe is most consistent with chronic toxicity of the
18 blood or bone marrow is via inhalation.

19 Q. All right. Now, I want to talk to you about
20 the studies that you talked about with regard to the
21 shoes.

22 A. Yes.

23 Q. How do you refer to those? The shoe making
24 studies?

25 A. Shoe worker studies is --

1 Q. What --

2 A. -- an accurate description.

3 Q. -- benzene-containing product were they using
4 in those studies?

5 A. Benzene.

6 Q. Pure benzene?

7 A. Pure benzene used as a solvent for dissolving
8 resins, Arbetar-based resins for use as glues.

9 Q. All right. Well, some -- somewhere in your
10 testimony, I got the impression that you said that they
11 were using benzene-based resins.

12 A. It's basically -- the same thing is seen in
13 China. You take a resin which is basically an organic
14 substance derived from glue factories, like where they
15 used to take old horses, and you use benzene as the
16 solvent to resuspend and dissolve that, and that's used
17 as a glue for making shoes. It apparently is a very
18 efficient substance for gluing leather.

19 Q. What percentage of the glue contains benzene?

20 A. I'm not sure what you're asking me.

21 Q. Well, you're not saying that the glue was 100
22 percent benzene, are you?

23 A. I am saying the glue was made by taking resin
24 and dissolving it in benzene, and using it in an open
25 pot. So, the solvent was benzene.

1 Q But, how much of the glue was benzene?

2 MR. DILLARD: You're not understanding
3 him, I don't think, Lance.

4 MR. LUBEL: I'm probably not. I am not
5 trying to be --

6 MR. DILLARD: I think you-all are
7 crossing paths.

8 Q. (BY MR. LUBEL) Let me start over. Were the
9 workers in these studies using glue to manufacture
10 shoes?

11 A. Yes. And they were making the glue as they
12 went by taking and dissolving resin in benzene.

13 Q. But are you saying that those studies -- they
14 state that every worker was making the glue, or were
15 there some workers that were just applying the glue, or
16 do you know?

17 A. The typical situation you're talking about
18 would be a group of people sitting around a table such
19 as this in which they are -- they have a bowl, and they
20 have resin, and they keep pouring the benzene into the
21 resin to keep it in a slurry, and they're spreading it
22 on soles and putting the shoes together. And when they
23 need to do more, they -- then, it begins to get dry,
24 they pour some more benzene in it. So it's basically --
25 it's a maw-and-paw-type operation.

1 Q. All right. And what size areas were they
2 working in?

3 A. Houses, living rooms, kitchens.

4 Q. How big were these areas?

5 A. It varies. It varies from individual -- if
6 you read -- if you read the descriptions, the anecdotal
7 descriptions, some of the situations I've seen in China,
8 they vary from very small facilities to extremely large
9 facilities.

10 Q. Facilities, you mean rooms, houses, kitchens?

11 A. Rooms, houses, kitchens, factories, workshops.

12 Q. I am talking about these houses right now.
13 Were any of the -- do you know if any of the windows
14 were open in them?

15 A. I have no idea what those conditions were
16 other than the descriptions that Aksoy provides.

17 Q. And in the study does it say whether the
18 windows were open?

19 A. There are -- they're simply descriptions about
20 the fact that these were family-run businesses, with the
21 -- basically the manufacture of shoes within the house.

22 Q. Are you familiar with the Paci study?

23 A. I am not sure what you're referring to.

24 Q. The Italian study.

25 A. Paci?

1 Q. Paci, sorry.

2 A. Yes. I am in general.

3 Q. Do you have that with you?

4 A. No, I don't.

5 Q. Were you aware that the substance being used
6 in those studies that were -- that was pure benzene?

7 A. If you've got a copy of it, I would be happy
8 to review it. I am generally familiar with it, but I
9 don't remember the specific with -- it certainly is a --
10 a -- a work that discusses benzene exposure.

11 Q. We will just move on. I don't have a copy of
12 it with me.

13 Did you rule out radiation as a cause of
14 Mr. Cowey's disease?

15 A. I didn't see any basis to conclude that he had
16 exposure to radiation in the materials that I reviewed.

17 Q. Did you rule out chemotherapy agents as being
18 a cause of his MDS?

19 A. In general, as I -- as I recall, in his
20 medical history, if you let me refer to my summary, he
21 received surgery for prostate adenocarcinoma but didn't
22 receive any radiation or chemotherapy. So I did not
23 consider that a potential cause of his disease.

24 Q. As we sit here today, it's not -- it's your
25 opinion that neither radiation nor chemotherapy induced

1 his MDS?

2 A. Yes.

3 Q. You agree with that?

4 A. Yes.

5 Q. All right. Do you agree with Dr. Youman's

6 diagnosis of MDS for Mr. Cowey?

7 A. The description that he provides is consistent

8 with the diagnosis refractory cytopenia, multilineage

9 dysplasia.

10 Q. So you agree -- you agree with him on the

11 particular cell type, too?

12 A. Based on the description, yes. I haven't

13 reviewed the slides, but the description is entirely

14 consistent.

15 Q. Now, I take it based upon what you told us

16 earlier that you disagree with Dr. Youman when he says

17 that this chromosome 8 defect is common in benzene

18 exposed workers?

19 MR. DILLARD: Object to the form.

20 A. It's certainly not consistent with the

21 secondary literature in -- specifically, and the

22 literature concerning solvent exposure in which benzene

23 is a major component.

24 Q. (BY MR. LUBEL) Have you brought with you any

25 peer-reviewed published studies that state that the

1 chromosome 8 defect that Mr. Cowey has should not happen
2 from benzene exposure?

3 A. Again, you're asking me to prove a negative.
4 What I have brought with me are peer-reviewed studies
5 that evaluate the cytogenetic abnormalities, the clonal
6 abnormalities found in individuals exposed to solvents,
7 and trisomy 8, involvement of 8, is extremely rare in
8 exposed individuals and much more frequent in the
9 control.

10 Q. Now, Mr. Dillard asked you some questions
11 about this 85 percent group --

12 A. Yes.

13 Q. -- that I think you've talked about as being
14 the idiopathic group?

15 A. Yes.

16 Q. Is that correct?

17 A. Yes.

18 Q. Do you know how many people in the idiopathic
19 group, this 85 percent, that were exposed to benzene?

20 A. All of them at some level of exposure, the
21 question is how much, which is the basic question of
22 dose.

23 Q. And do you know -- do you have any reliable
24 data that provides us any meaningful information
25 regarding what those people's benzene exposure levels

1 were within this 85 percent idiopathic group, you have
2 called it?

3 A. No, neither that nor -- neither any other
4 potential cause.

5 Q. Have you understood my questions today, and
6 when you didn't, did you try to tell me?

7 A. Yes.

8 Q. Have I been courteous to you?

9 A. Yes.

10 MR. LUBEL: Thank you for your time.
11 Your turn. Need another break or are you ready?

12 MR. DILLARD: No, I'm ready. Do you have
13 any questions? Why don't you go ahead with yours?

14 EXAMINATION

15 BY MR. RILEY:

16 Q. Dr. Irons, my name is Jim Riley. I represent
17 Radiator Specialty in this case. I just want to ask you
18 a few questions, basically because of some objections
19 that were made.

20 My first question is: Dr. Levy has not given
21 his deposition in this case yet pertaining to Mr. Cowey,
22 so obviously you have not reviewed Dr. Levy's testimony
23 pertaining to Mr. Cowey in giving your deposition here
24 today, correct?

25 A. No, I have not.

1 Q. And you have -- have you spoken to Dr. Levy
2 about his opinions pertaining to Mr. Cowey?

3 A. No.

4 Q. Okay. So, basically, Mr. Dillard was asking
5 you questions about Dr. Levy's opinions, his methodology
6 and his articles, can you tell us whether or not you
7 understood that to mean his eight-page report which you
8 have reviewed?

9 A. Yes. That was my understanding.

10 Q. Now, Doctor, I want to ask you one other
11 question, or actually two, it will be. Do you have an
12 opinion that based upon the scientific literature that
13 you have referred -- that you have reviewed in the
14 course of your research, on whether the industrial uses
15 of benzene differed from the 1940s versus say the 1970s?

16 A. Yes.

17 Q. And what is that opinion, sir?

18 A. It differed dramatically.

19 Q. Dramatically in what way?

20 A. In the '40s, the accepted levels of exposure,
21 or the permissible levels of exposure to benzene were
22 huge compared to what they were in the '70s, and
23 enormous compared to what they are today. Common usage
24 was much higher.

25 MR. RILEY: That's all the questions I

1 have, sir. Thank you for your courtesy.

2 MR. DILLARD: Dr. Irons, I would like to
3 have marked as exhibits some of the articles to which
4 you have referred in your testimony, and so perhaps we
5 ought to take a quick break in order to do that, and not
6 take up the court or jury's time with it. So let's go
7 off the record for just a couple of moments if we could.

8 THE VIDEOGRAPHER: Going off the record,
9 time is approximately 1:08 p.m.

10 (A break was taken.)

11 (Exhibits 2 through 6 marked.)

12 THE VIDEOGRAPHER: We're now back on the
13 record. The time is approximately 1:16 p.m.

14 RE-EXAMINATION

15 BY MR. DILLARD:

16 Q. Dr. Irons, I'd like to have marked as exhibits
17 some of the articles to which you have made reference to
18 in the course of your testimony. Can you hand me the
19 stack?

20 A. Yes, sir.

21 Q. Thank you. I'll first show you Exhibits 3 and
22 4, and ask you what those articles -- or for what
23 proposition do those articles stand as you have cited
24 them?

25 A. Both of these articles describe the

1 age-dependent incidence of myelodysplastic syndrome in
2 the general population.

3 Q. All right. I'll next show you Exhibit Number
4 5, and ask you what -- what that is?

5 A. That is a publication of mine that deals with
6 Dideoxycytidine, which is the parent compound in the
7 experimental drug that Mr. Alley was treated with.

8 Q. Mr. Cally -- Cowey?

9 A. Cowey, I'm sorry.

10 Q. And then what is Exhibit 6, please, sir?

11 A. This is a recent publication out of the
12 University of Chicago that deals with the clinical and
13 cytogenetic associations of therapy-related
14 myelodysplastic syndrome and acute myelogenous leukemia.

15 Q. There is also a collection of articles to --
16 just to your right, what -- what are those?

17 A. They're simply copies of some of -- a few of
18 the articles that are in the binder of articles that I
19 provided.

20 Q. Okay. What are their reference numbers, of
21 those articles in your binder which has been marked as
22 Exhibit Number 2?

23 A. 30, 31, 32, and 39.

24 Q. For what proposition do those articles stand?

25 A. These articles describe the relative incidence

1 of cytogenetic abnormalities in individuals developing
2 leukemia associated with solvent exposure versus those
3 who developed leukemia without any known exposure.

4 Q. Is this this 85 percent versus 15 percent that
5 was talked about earlier?

6 A. In relation to MDS, yes.

7 Q. And did they -- do these articles that you
8 have just referred to support the opinions that you
9 expressed?

10 A. Yes.

11 Q. I want to ask you about the Hayes paper
12 published in the year 2000. You're familiar with it?

13 A. Yes.

14 Q. Does the United States Environmental
15 Protection Agency use that study for evaluating the risk
16 of benzene exposure?

17 A. No. They don't.

18 Q. What has the United States Environmental
19 Protection Agency said about the value of that study in
20 evaluating the risk of benzene exposure?

21 A. Based upon the lack of direct industrial
22 hygiene and analysis of benzene exposure, in that
23 overall study, the U.S. EPA has determined that it's not
24 a reliable tool for use in quantitative risk assessment
25 of benzene.

1 Q. Was myelodysplasia, or MDS, as we've referred
2 to it here, recognized as a disease entity in the 1940s?

3 A. No.

4 Q. What benzene exposure levels were considered
5 harmful in the 1940s?

6 A. Depending upon exactly when acceptable
7 exposure levels were at 100 parts per million, and by
8 the end of the '40s, they were -- it was generally
9 accepted that they should be reduced to below 50 parts
10 per million.

11 Q. And what did the API say in the 1948
12 publication about exposure levels that were recommended?

13 A. My recollection is they recommended that
14 exposures be reduced to below 50 parts per million.

15 Q. And how was -- how would that compare to the
16 recognized exposure levels today?

17 A. The PEL in the United States today is one.

18 Q. What is PEL?

19 A. The permissible exposure limit in an
20 occupational setting. So that would be 50 times higher.

21 Q. Are you familiar with the testimony of
22 Dr. Vernon Rose on behalf of Mr. Lubel in this case?

23 A. Yes.

24 Q. Did Dr. Rose state that he was asked to
25 address an exposure assessment in this case?

1 A. I don't recall specifically what he said he
2 was asked to do. I do recall specifically that he
3 indicated he had not done so.

4 Q. I was going to ask you that. Did Dr. Rose
5 provide an exposure assessment of Mr. Cowey's alleged
6 exposure to benzene from Liquid Wrench?

7 A. My recollection is he said that he couldn't.

8 Q. Did Dr. Levy in his report provide such an
9 assessment?

10 A. No, sir.

11 Q. Based upon the literature, which you've
12 referred to as the quantitative literature that's
13 published in the reliable scientific journals, what is
14 the observed cumulative benzene level that is associated
15 with a significant excess of the disease that is called
16 AML/MDS?

17 A. Well, if you look at -- if you look at the
18 quantitative studies combined, and you look at
19 statistical significance, the lowest cumulative exposure
20 level that's been consistently demonstrated to produce a
21 significant excess is 60 ppm years, 60-per-part-million
22 years.

23 Q. Is there any scientific evidence that
24 Mr. Cowey's benzene exposure, if any, met or exceeded
25 that level?

1 A. Not to my knowledge, no.

2 MR. DILLARD: Thank you, sir. Pass the
3 witness.

4 RE-EXAMINATION

5 BY MR. LUBEL:

6 Q. Dr. Irons, can you go ahead and pull out your
7 reference that -- I think you made a statement that the
8 EPA does not follow the 2000 Hayes and Yin study. Do
9 you have that with you?

10 A. No, I don't.

11 Q. Okay. Is there a study that says that study
12 is not of any value?

13 A. It's an official EPA publication, and it does
14 state that in performing risk assessments, quantitative
15 risk assessments associated with benzene and
16 leukemogenesis, that the Hayes study is not an
17 appropriate tool.

18 Q. Now, do you know whether this EPA, this
19 alleged EPA statement, refers to one of the older
20 studies as opposed to the 2000 version?

21 A. It refers to the study. There are numerous
22 papers relating to the study, but the original study
23 criteria and design and analysis remain the same. I
24 believe the EPA ruling was 1996.

25 Q. Okay. Were you aware that the paper that was

1 actually published in 2000 had refined information from
2 the previous work?

3 A. I couldn't speak to that. Whether or not they
4 performed any additional analyses or not, I couldn't
5 say.

6 MR. LUBEL: That's it. Thank you.

7 MR. DILLARD: Thank you, sir.

8 THE VIDEOGRAPHER: Going off the record,
9 time is approximately 1:24 p.m.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25