

1
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26
27

STATE OF MICHIGAN
WAYNE COUNTY CIRCUIT COURT

ALFRED LEIJA and SANDRA
F. LEIJA,
Plaintiffs,
VS.
MARATHON OIL COMPANY, an
OHIO corporation; LARRY M.
ECHELBERGER; ARDELL K.
JOHNSON and GREGORY W.
MULLINS, jointly and separately,
Defendants and
Third-Party Plaintiffs,
VS.
DOWNRIVER MAINTENANCE
CORPORATION, a Michigan
Corporation,
Third-Party
Defendants.

No. 96-617531-NO

DEPOSITION OF OTTO WONG
San Francisco, California
Tuesday, March 23, 1999

Reported by:
LYNNE M. LEDANOIS
CSR No. 6811
Job No. 7065

STATE OF MICHIGAN
WAYNE COUNTY CIRCUIT COURT

2
1
2
3 ALFRED LEIJA and SANDRA
4 F. LEIJA,
5 Plaintiffs,
6 VS.
7 MARATHON OIL COMPANY, an
OHIO corporation; LARRY M.
8 ECHELBERGER; ARDELL K.
JOHNSON and GREGORY W.
9 MULLINS, jointly and
severally,
10 Defendants and
11 Third-Party
Plaintiffs,
12 VS.
13 DOWNRIVER MAINTENANCE
14 CORPORATION, a Michigan
Corporation,
15 Third-Party
16 Defendants.
17
18 Deposition of OTTO WONG,
19 taken on behalf of Plaintiffs Alfred and
20 Sandra Leija, at 555 North Point Road,
21 Room 545, San Francisco, California,
22 beginning at 9:26 a.m. and ending at
23 10:45 a.m., on Tuesday, March 23, 1999
24 before LYNNE M. LEDANOIS, Certified
25 Shorthand Reporter No. 6811

No. 96-617531-NO

1 APPEARANCES:

2

3 For Plaintiff:

4 LAW OFFICES OF MARTIN D. BARRIE

BY: MARTIN D. BARRIE

5 Attorney at Law

2603 Augusta Drive, Suite 810

6 Houston, Texas 77057

713-977-7075

7 For Defendant Marathon Oil Company:

8 WOOLF, McCLANE, BRIGHT, ALLEN & CARPENTER

9 BY: LOUIS C. WOOLF

Attorney at Law

10 900 Riverview Tower

900 S. Gay Street

11 Knoxville, Tennessee 37902-1810

423-215-1000

12 For Defendant Downriver Maintenance Corporation:

13 COLLINS, EINHORN, FARRELL & ULANOFF

14 BY: CLAYTON F. FARRELL

Attorney at Law

15 4000 Town Center, Suite 909

Southfield, Michigan 48075-1473

16 248-355-4141

17

18

19

20

21

22

23

24

25

4
1 INDEX
2 WITNESS EXAMINATION
3 OTTO WONG
4 BY MR. BARRIE 7
5
6 EXHIBITS
7 DEPOSITION PAGE
8 1 Renotice of Taking Deposition 7
9 of Otto Wong; 5 pages
10 2 File folder of Otto Wong 7
11 containing articles and
12 correspondence; 27 pages
13 3 Handwritten notes entitled, 7
14 "Alfred Leija"; 2 pages
15 4 Invoices from Applied Health 7
16 Sciences, Inc.; 4 pages
17 5 Listing of various cases by 7
18 year from Otto Wong; 1 page
19 6-A Document entitled, "The Leukemias 7
20 Epidemiologic Aspects"; 12 pages
21 6-B Document entitled, "The 7
22 Leukemias"; 2 pages
23 6-C Document entitled, "Clinical 7
24 Hematology"; 3 pages
25 6-D Document entitled, "Mortality 7
among Chemical Workers Exposed
to Benzene and other Agents";
10 pages
6-E Document entitled, "Mortality 7
among Individuals Occupationally
Exposed to Benzene"; 8 pages
25

1 INDEX CONTINUED

DEPOSITION PAGE

2 6-F Document entitled, "An Update of 7
3 Mortality among chemical workers
exposed to benzene"; 7 pages
4 6-G Document entitled, "Occupational 7
5 Health"; 3 pages
6 6-H Document entitled, "Benzene and 7
Leukemia"; 7 pages
7 6-I Document entitled, "Leukemia Risk 7
8 Associated with Benzene Exposure";
etc., 8 pages
9 6-J Document entitled, "Risk of acute 7
10 myeloid leukemia and multiple
myeloma in workers exposed to
11 benzene"; 5 pages
12 6-K Document entitled, "An industry 7
wide mortality study of chemical
13 workers occupationally exposed
to benzene"; 16 pages
14 6-L Document entitled, "An industry 7
15 wide mortality study of chemical
workers occupationally exposed
16 to benzene"; 14 pages
17 6-M Document entitled, "Health 7
effects of gasoline exposure";
18 14 pages
19 6-N Document entitled, "A 50-year 7
mortality follow-up of a large
20 cohort of oil refinery workers
in Texas"; 15 pages
21 6-O Document entitled, "An updated 7
22 cohort mortality study of workers
at a northeastern United States
23 petroleum refinery"; 12 pages
24 6-P Document entitled, "An updated 7
mortality study of workers at a
25 petroleum refinery in Beaumont,

6

1 INDEX CONTINUED

DEPOSITION PAGE

2 6-Q Document entitled, "Cell-type- 7
3 specific leukemia analysis,"
etc., 15 pages

4 6-R Document entitled, "Occupational 7
5 exposure to petroleum products
in men with acute non-lymphocytic
6 leukemia"; 1 page

7 6-S Document entitled, "Chronic 7
myeloid leukemia in Yorkshire";
8 4-pages

9 6-T Document entitled, "A cohort 7
study of cancer among benzene
10 exposed workers in China:
overall results"; 8 pages

11 6-U Document entitled, "Cancer 7
12 among benzene workers" with
attachments; 8 pages

13 6-V Document entitled, "Benzene 7
14 and the dose-related incidence
of hematologic neoplasms in
15 China"; 7 pages

16 6-W Document entitled, "Cecil 7
Textbook of Medicine, 19th
17 Edition"; 2 pages

18

19

20

21

22

23

24

25

7

1 San Francisco, California, Tuesday, March 23, 1999
9:26a.m. - 10:45 a.m.

OTTO WONG,

4 having been first duly sworn, was examined and
5 testified as follows:

6

7 EXAMINATION

8 BY MR. BARRIE:

9 Q State your name for the record, please.

10 A Otto Wong.

11 Q Where do you reside, sir?

12 A 181 Second Avenue, Suite 628, San Mateo,
13 California 94401.

14 Q And the phone number there, sir?

15 A (650)347-7898.

16 Q Is that a business address?

17 A Yes.

18 Q How long have you been there?

19 A For almost nine years.

20 Q What's the name of the firm or company?

21 A Applied Health Sciences, Incorporated.

22 Q Tell us what Applied Health Sciences is.

23 A Basically, it's a contract research
24 company. We specialize in doing epidemiologic studies
25 for the industry, and we also do consulting for

8

1 different groups of people.

2 Q How long has this company been in
3 existence?

4 A Since 1991.

5 Q Who owns the company?

6 A I do.

7 Q Solely, 100 percent?

8 _ A Well, half of it is owned by my wife, as
9 anything else in this state. So basically I own the
10 company, yes.

11 Q When you say, "half owned by your wife,"
12 you're talking about a community property issue?

13 A Yes.

14 Q Have you always owned 100 percent of the
15 company since 1991?

16 A Yes.

17 Q You say that the purpose of the company
18 is to provide consulting services to industry, among
19 other groups?

20 A Also to major projects, if you count that
21 as consulting as well.

22 Q For whom?

23 A For industries, mainly.

24 Q Okay. My name is Martin Berrie and we
25 introduced yourselves prior to the deposition. I'm

9

1 here to take your testimony with respect to the facts,
2 circumstances and opinions that you've arrived at in
3 the Leija case. Do you understand that?

4 A Yes.

5 Q You've given your deposition numerous
6 times; I've read just about every one of them, so I'll
7 try not to duplicate many of the questions, but I do
8 need to be sure when you respond to my question, be
9 sure you understand it. Will you do that for me?

10 A Yes.

11 Q When were you first contacted in this
12 case?

13 A I think sometime in early 1998 or late
14 1997.

15 Q Who contacted you?

16 A A lawyer by the name Michael Latiff.

17 Q Michael who?

18 A Latiff.

19 Q And he was a lawyer that was retained by
20 Marathon Oil Company?

21 A Yes, he's in Detroit.

22 Q Okay. And what did Mr. Latiff ask you to
23 do?

24 A Basically asked me whether I'm interested
25 in working with him in the case, and sent me some

10

1 materials to read, background materials in the case,
2 and also, I guess, be prepared to form an opinion.

3 Q With respect to what?

4 A With respect to exposure to benzene
5 petroleum products, and the risk of developing chronic
6 myeloid leukemia.

7 Q How many times have you spoken with

8 Mr. Latiff?

9 A Probably about six, seven times on the
10 phone.

11 Q Have you spoken with anybody else aside
12 from Mr. Latiff?

13 A Regarding this case?

14 Q Of course.

15 A I have spoken with Mr. Lou Woolf.

16 Q Who's sitting to your right?

17 A Yes.

18 Q Anybody else?

19 A Maybe somebody in the offices, their
20 secretary or -

21 Q Aside from talking to Mr. Woolf or to
22 Mr. Latiff or their secretary, have you spoken to
23 anybody else about this case; for example, have you
24 spoken with anybody at Marathon Oil Company, any
25 coworkers of Mr. Leija, the plaintiff in this case,

11

1 any industrial hygienists for Marathon, anything like
2 that?

3 A No, I have not.

4 Q Do you plan on doing so?

5 A Not at this point.

6 Q How many occasions have you worked with
7 and/or for Mr. Woolf?

8 A I probably have about four or five cases
9 ongoing at this point, and he contacted me regarding
10 this case some time ago. Over the years I may have
11 worked for him for some other cases. I don't recall
12 the numbers.

13 Q The current cases that you have, do any
14 of those cases involve allegations of benzene exposure
15 in leukemia?

16 A I think most of them involve exposure to
17 benzene or petro chemicals. I think one or two cases
18 may be some form of leukemia.

19 Q Do any of those cases of leukemia have
20 anything to do with chronic myeloid leukemia?

21 A There may be another old case, still
22 active, I have not looked at the case for some time.
23 It may be another chronic myeloid leukemia case.

24 Q And what would be the name of that case?

25 A I don't remember the name.

12

1 Q Do you remember the lawyers, aside from
2 Mr. Woolf, that are on the other side of the docket,
3 for example, the plaintiff's lawyers?

4 A No.

5 Q You were involved in the Skeen Case, as I
6 recall?

7 A That's many, many years ago, yes.

8 Q But you were involved in that case, there
9 was a case in Texas involving chronic myeloid leukemia
10 and benzene exposure; correct?

11 A Yes.

12 Q And you gave testimony in that case?

13 A Yes.

14 Q What materials were provided you by

15 Mr. Latiff originally in this case?

16 A Some medical records, some M.S.D.S.,
17 depositions of coworkers, depositions of the
18 plaintiff.

19 Q Anything else?

20 A I think that's all I can recall.

21 Q And you've been retained in this matter
22 as an epidemiologist; is that correct?

23 A Yes.

24 Q You have not been retained as an
25 industrial hygienist?

13

1 A No.

2 Q You have not been retained to give
3 testimony with respect to toxicology issues on
4 toxicological causation issues; that would be correct?

5 A No.

6 Q No, that's correct, or yes, it is
7 correct? You have not been asked to give testimony as
8 to toxicological issues on causation, have you?

9 A No.

10 Q You have not been asked to give medical
11 opinions as to causation, if at all, have you?

12 A No.

13 Q You have not been asked to give any
14 opinions as to engineering design issues, have you?

15 A No.

16 Q And, again, Dr. Wong, in that same light,
17 you have not been asked to do any opinions or
18 calculations with respect to dose modeling, that is
19 modeling of dosage of exposure with respect to
20 inhalation and/or dermal exposure, that's not been one
21 of the issues you've been asked to address, is it?

22 A No.

23 Q So, really, your area of focus, as it
24 were, is really in the field of epidemiology?

25 A Yes.

14

1 Q And, as I appreciate and it may be a very
2 rudimentary definition of epidemiology, but it would
3 be more or less evaluating cohorts or groups of
4 people; comparing them together, if they are matched
5 as close as possible, to see whether there is an
6 incidence or an elevation in the disease or an illness
7 relative to another group that may or may not be
8 exposed to a particular chemical; would that be a very
9 bad, rough thumbnail sketch?

10 A This is part of what we do, but that's
11 not the entire picture.

12 Q And the other picture would be looking at
13 risk assessment?

14 A Well, you're talking in a gray area, risk
15 area, whether that's epidemiological or not, maybe
16 part of it is.

17 Q Is that something that you were focused
18 on in this case in terms of risk assessment?

19 A It depends on what you mean by "risk
20 assessment." Risk assessment means different things
21 to different people.

22 If you're talking about the EPA-type
23 regulatory risk assessments, no, I'm not doing that.
24 But if you use the word "risk assessment" in a general
25 sense, assess risk, then I would say yes.

15

1 Q So in addition to looking at the
2 plaintiff's exposure, one of the missions that you
3 were asked to address, the second would be looking at
4 risk in terms of what the plaintiff, Mr. Leija, would
5 have been in this case; would that be accurate?

6 A Basically, in this case, it's my
7 assignment really to look at the causation.

8 Q Well, does epidemiology look at
9 biological causation in individuals?

10 A Again, that's kind of in the gray area.

11 We do find a group of people exposed to certain
12 chemicals have an increased risk of certain disease.
13 Certainly it would be wonderful if we can
14 find some explanation for that in terms of biological
15 mechanism. But sometimes we may not be able to do
16 that. So biological mechanism is part of the overall
17 picture. But, again, it depends on how advanced we
18 are in that area.

19 Q But isn't it true that epidemiology does
20 not look at causation of chemical exposure in
21 individual people, what it looks at is groups of
22 people to see if there is an inference of causation;
23 isn't that what epidemiology is about?

24 A Well, there is a couple of things in your
25 statement I do not agree.

16

1 Q Tell me.

2 A I don't care what discipline you are in,
3 you cannot look at a single individual case and come
4 to some kind of causation conclusion. The best you
5 can do is to use epidemiologic data and apply that to
6 someone with certain exposure profiles.

7 Q But data is looking at groups of people;
8 correct?

9 A Yes, we look at groups of people.

10 Q Okay. And from looking at groups of
11 people, you try and ascertain whether there is a risk
12 that an individual within the group may have for
13 developing, say, in this case, chronic myeloid
14 leukemia?

15 A Yes.

16 Q Is there any materials, other than the
17 medical records of the M.S.D.S.s, depositions of
18 coworkers and plaintiffs, that you received and
19 reviewed in the formation of your opinions in this
20 case?

21 A Of course I reviewed some studies,
22 epidemiologic studies.

23 Q We're going to cover that, but I'm
24 talking about what you actually received from either
25 Mr. Woolf or other Marathon lawyers in this case. Was

17

1 there anything else that you received?

2 A Those are all the things that I can

3 recall.

4 Q Is there anything that you've asked for

5 that has not been sent to you that you would like to

6 have to review and evaluate in coming to your

7 conclusions in this case?

8 A No, I have not.

9 Q Is there anything that you're aware of

10 that's outstanding, something that they said they're

11 going to provide you but you have not received to this

12 date?

13 A I think yesterday Mr. Woolf and I talked

14 about the deposition of Dr. Titlebaum in this case.

15 Q You have not reviewed Dr. Titlebaum's

16 deposition?

17 A I don't think so.

18 Q Is that something that you'd like to look

19 at and review?

20 A It's always fun to read his deposition

21 transcripts.

22 Q It's always fun to review and read

23 everything, including your depositions, but in truth

24 of fact, is there something out there that I need to

25 know about that you need to review or evaluate that

18

1 you have not done so at this point prior to the
2 formation of your final conclusions?

3 For example, I don't want to walk away
4 from here at the end of the day and have somebody send
5 you something that you've been looking for that you
6 say, you know, I really need this to look at prior to
7 the formation of my final conclusions. Is there
8 anything like that out there?

9 A No.

10 Q With Mr. Woolf's permission, if there is
11 something out there that you need that may change,
12 alter or modify any of your opinions, would you be
13 kind enough to let Mr. Woolf know so he can let me
14 know, so I can, if I have to, come and just ask you
15 briefly about those questions to see if it changes
16 your opinion; will you do that for me?

17 A Absolutely.

18 Q Have you had any meetings or discussions
19 with Dr. Irons in this case?

20 A No.

21 Q Have you had any meetings or discussions
22 with any of the industrial hygienists that have been
23 retained by Marathon in this case?

24 A No.

25 Q Have you had any meetings or discussions

19

1 with any of the causation experts in this case that
2 Marathon may have retained?

3 A I have not talked to anybody -- not that
4 I know what you mean by "causation experts."

5 Q For example, Marathon may have an
6 individual that -- a physician that may come in and
7 testify that in his opinion, A does not cause B.

8 I mean, have you talked to anybody in
9 Marathon, or Marathon's experts, that may be rendering
10 opinions in this case?

11 A No, I have not.

12 Q Do you plan on doing so?

13 A No.

14 Q Have you drafted any exhibit in this
15 case, anything you intend to rely on at the time of
16 trial?

17 A No.

18 Q Do you intend on doing so?

19 A Most likely, if it does go to trial.

20 Q If you do -- so, once again, I'll ask
21 permission of Mr. Woolf, if you can let him know so he
22 can let me know so I can see those exhibits; would you
23 do that for me?

24 A Absolutely.

25 Q I'll just cover, if I can, you received

20

1 Exhibit No. 1, which is the notice of your deposition,
2 the subpoena duces tecum?

3 A . Yes.

4 Q That requested that you bring with you
5 various documents?

6 A Yes.

7 Q Have you done so?

8 A I believe so.

9 Q Is there anything that was required or
10 requested by way of that subpoena that you did not
11 bring with you?

12 A Number 2, copies of all certificates and
13 publications authored by him, meaning me. I think
14 that request is a little bit unreasonable because I
15 have authored some 120 publications, and not all of
16 them are related to or pertinent to this case. So I
17 have not brought them.

18 Q But those would be reflected in the C.V.
19 that you also handed me?

20 A Yes.

21 Q Is that an up-to-date C.V?

22 A Yes.

23 Q Is there a date on this?

24 A No.

25 Q Just for the record, prior to the

21

1 deposition, Dr. Wong provided me through Mr. Woolf
2 this C.V. And the materials that you're referencing
3 not bringing here today, Item Number 2, are those
4 reflected on this C.V., would that be correct?

5 A Those would be all the articles I
6 authored.

7 Q Would there be any of the articles that
8 are reflected in your C.V. that you will be intending
9 to rely upon in the formation of your opinions in this
10 case, or have you brought them all here today?

11 A At least the ones that I think I would be
12 asked questions about I have brought them.

13 Q For example, if I handed you your C.V.
14 and asked you just to go through the entire list of
15 all your publications, is there several in here or any
16 of them in here that you would say, yes, I intend to
17 rely on this one as well that you did not bring here
18 today, or in summary, the ones you brought here today,
19 they are the major ones?

20 A They are the major ones.

21 Q There may be something in here that may
22 tangentially relate to the formation of your opinions,
23 but in sum total, what you brought here today are the
24 articles that you intend on relying on in the
25 formation of your opinion; would that be accurate?

22

1 A Yes.

2 Q Aside from Number 2 on Exhibit No. 1, the
3 subpoena, are there any other items that you did not
4 bring with you today?

5 A Number 4, basically you asked me to bring
6 all of the documents provided to me by the lawyers.
7 And I talked to Mr. Woolf yesterday and he said that I
8 don't need to bring them.

9 Q That would include the depositions;
10 correct?

11 A Deposition transcripts, medical records,
12 M.S.D.S. and so on.

13 Q Any other items on the subpoena duces
14 tecum referenced as Exhibit 1 that you did not bring
15 with you?

16 A No. That's it.

17 Q Let's go over those items, if we can,
18 very briefly. I'm handing you a folder that's been
19 marked by the reporter as Exhibit Number 2. Tell me
20 what that is.

21 A These are correspondence between myself
22 and the attorneys in this case.

23 Q Is there anything in this Exhibit Number
24 2 that aided or assisted you in the formation of your
25 opinions?

23

1 A Not really.

2 Q I'll show you an article that's entitled,
3 "Cell Type Specific Leukemia Analysis and a Combined
4 Cohort of more than 200,000 Petroleum Workers in the
5 United States 1937-1989" by yourself and Raabe.

6 Are you using that article in any way in
7 the formation of your opinions in this case?

8 A Let me explain to you what this is.

9 These are articles that I, because of my C.V., that I
10 was asked by Mr. Michael Latiff to send to him. And I
11 did that last year. And all those articles that I
12 sent to him I included in the articles that I have
13 with me today.

14 Q Okay. But my question was: How did you
15 use this article, if at all, in the formation of your
16 opinions that you're giving today?

17 A Well, because we talked about chronic
18 myeloid leukemia in that paper, so I do rely on that.

19 Q I appreciate that, and I've read this
20 article. What I'm saying is are these pages from
21 various articles, are they -

22 Again I have not compared these to the
23 ones you actually brought, but are these the articles
24 that are being used by you in the formation of your
25 opinion today about chronic myeloid leukemia?

24

1 A Yes.

2 Q Are these front pages, do they match the
3 actual articles that you brought?

4 A Yes, some of them, not all of them.

5 Those are articles that I wrote.

6 Q I appreciate that, but I want to know
7 whether they match. So if we went through the other
8 exhibits here, whether I would be able to find each of
9 the articles -

10 A Yes, you will be able to find them.

11 Q Okay. Exhibit Number 3, what is that?

12 A That is simply my notes of the
13 plaintiff's employment history.

14 Q And how did you use that?

15 A Well, just to remind me of some of the
16 dates and so on.

17 Q Dates with respect to what?

18 A Dates with respect to his employment.

19 Q Okay. Have you ever met the plaintiff?

20 A No.

21 Q Have you ever been to the Marathon,
22 Detroit plant facility?

23 A I don't believe so.

24 Q Have you ever actually been in the plant
25 looking for the types of operations and practices that

25

1 Mr. Leija would have been performing?

2 A No.

3 Q Have you ever asked to do so?

4 A No.

5 Q Has Marathon ever invited you to their
6 plant facility to take a look around and review and
7 evaluate the types of work practices that Mr. Leija
8 may have performed to aid and assist you in the
9 formation of your opinions?

10 A No.

11 Q Have you ever contacted Downriver
12 Maintenance who's the contractor that performed
13 maintenance services in the Marathon plant?

14 A No.

15 Q Have they ever asked you to come out
16 there and take a look at their work practices and
17 procedures to investigate the types of work practices
18 that Mr. Leija would have performed as a maintenance
19 worker?

20 A No.

21 Q Have you ever asked to look at any -
22 strike that. Have you ever asked to look at any of
23 the personnel records for Mr. Leija, either from
24 Downriver Maintenance or Marathon Oil Company?

25 A No.

26

1 Q Have you ever spoken with any industrial
2 hygienist, whether it was at Marathon Oil Company or
3 Downriver Maintenance, to review and evaluate the type
4 of work practices that Mr. Leija may have performed to
5 clarify in your mind, or help you in formation of your
6 opinions with respect to his exposure to petroleum
7 products including benzene?

8 A No.

9 Q Do you intend on doing so?

10 A Not at this point.

11 Q Exhibit No. 4, tell us what that is.

12 A These are the bills that I sent to
13 attorneys for the time that I spent on this case.

14 Q Is each one a separate invoice? There
15 are four pages.

16 A Yes.

17 Q Is each one a separate invoice?

18 A Yes.

19 Q Can you tell us, just basically, what
20 your billing practices are?

21 A Basically we bill it by the end of the
22 month, unless we only have like one or two hours for
23 the month, we want to accumulate that in the following
24 month.

25 Q Okay. But specifically, I mean, do you

27

1 charge by the hour?

2 A Oh, yes.

3 Q Okay.

4 A If that's what you mean.

5 Q I don't mean to be evasive, but just how
6 much do you charge per hour, for, for example, a
7 review of cases?

8 A In 1999 it's 400 an hour; last year it
9 was 380 an hour.

10 Q Is that for deposition testimony or
11 review testimony?

12 A It's everything.

13 Q Okay. So as of 1998, it was \$380 an hour
14 whether you were testifying at trial, whether you were
15 doing depositions or research?

16 A Right.

17 Q And in 1999 it's \$400 for all those
18 activities?

19 A Yes.

20 Q Can you give us an idea approximately how
21 much time you've put into this case? And if you would
22 like to do it by -

23 A 30, 40 hours.

24 Q That would be at a \$400-per-hour rate?

25 A No, some of them. Actually, most of the

28

1 work was done last year.

2 Q So most of it would be at \$380 an hour?

3 A Yes.

4 Q Do you have any work that you plan on

5 doing if this case goes to trial, is there anything

6 outstanding that you plan on doing?

7 A The only thing I can think of is to

8 prepare some exhibits.

9 Q Anything else?

10 A Maybe read some experts deposition

11 transcripts.

12 Q Anything else?

13 A I can't think of anything.

14 Q The court reporter has kindly marked

15 Exhibit Number 5. Please tell us what that is.

16 A In a Notice for Deposition you asked for

17 a list of the cases that I have testified in. And

18 this is a list that I come up with.

19 Q And what are the dates that is inclusive

20 in this exhibit?

21 A It goes back to 1994.

22 Q 1994 to 1999?

23 A Right.

24 Q Do you have any list or have you compiled

25 any list or requested to compile any list for cases

29

1 you have been involved in prior to 1994?

2 A No, I don't keep that.

3 Q You don't keep a list?

4 A No.

5 Q You never compiled one?

6 A They always ask for the last four or five
7 years.

8 Q Do any of these cases involve cases where
9 you're testifying on behalf of a plaintiff?

10 A No.

11 Q Would it be accurate to say that for all
12 these cases you've been retained on behalf of industry
13 or the defendant in the case?

14 A For defendants.

15 Q And the defendants would be reflected as,
16 for example, in 1994 Shell Oil Company?

17 A Yes.

18 Q Tosco?

19 A Yes.

20 Q Amoco?

21 A Well, I may not work for Shell Oil in
22 that case, it's just that -- what do you call that -
23 the title of that case or the name of that case.

24 Q Okay.

25 A But I would be working for one of the

30

1 defendants.

2 Q Would you be providing -- as working for
3 the defendants, would you be working for all of the
4 companies or it's exclusive for one of the companies?

5 A Sometimes it's one, sometimes it's two,
6 sometimes I don't even know.

7 Q Speaking of the testimony that you
8 provided, have you ever been retained or provided
9 services -- strike that.

10 Have you ever, in your capacity as
11 providing services in the area of epidemiology, have
12 you ever given testimony for a worker who's exposed to
13 chemicals in an allegation against a chemical company?

14 A No.

15 Q In terms of your affiliation with defense
16 lawyers who are representing companies, have you ever
17 had occasion to give any talks or seminars, however
18 informal, to defense companies or their lawyers?

19 A I was asked by the Oregon Bar Association
20 to talk to both plaintiff attorneys and defense
21 attorneys at one time to talk about epidemiology in
22 general.

23 Q Do you recall giving a talk to Shell Oil
24 Company regarding the Skeen Case? That's the case
25 involving chronic myeloid leukemia and benzene

31

1 exposure that was tried in Texas. Do you recall
2 giving a talk to Shell Oil Company and their lawyers?

3 A We had a meeting in the law firm in
4 Houston. I don't know all of the people in the
5 audience. Most of them may be from Shell Oil.

6 Q Do you recall Richard Faulk being there?

7 A Maybe. He is in Houston, so it's very
8 likely. It's a long time ago.

9 Q Do you recall giving out a publication or
10 handout regarding your opinions regarding the Skeen
11 Case specifically, and allegations of chronic myeloid
12 leukemia and benzene exposure; do you recall handing
13 out a pamphlet in discussion about that?

14 A I think we talked about the Skeen Case
15 but I don't remember handing out anything by myself.
16 I don't think I -

17 Q You don't recall?

18 A No.

19 Q Let me ask you right off: What opinions
20 have you formed in this case?

21 A My opinion is that there is no causal
22 relationship between benzene exposure or exposure to
23 other petroleum chemicals and the risk of developing
24 chronic myeloid leukemia.

25 Q Any other opinions?

32

1 A This is the major one.

2 Q Any others, however minor?

3 A That's the one that I have been asked to
4 look into.

5 Q So basically, sitting here today, just
6 getting ready for trial, your opinion in this case is
7 that there is no causal relationship between benzene
8 exposure and the risk of development of chronic
9 myeloid leukemia; is that the opinion?

10 A Right.

11 Q No others?

12 A No.

13 Q And what do you base this opinion on?

14 A Based on my own research, as well as
15 studies done by other investigators.

16 Q And those have been produced by you
17 today?

18 A Yes.

19 Q Are you aware that there are studies,
20 epidemiological studies, that support the relationship
21 between exposure to benzene and the risk of leukemia,
22 specifically chronic myeloid leukemia?

23 A When you say "support," what does that
24 mean?

25 Q They make the connection, the cause

33

1 between benzene exposure and the risk of development
2 of CML.

3 MR. WOOLF: Counsel, I would ask if you
4 have any such studies to show the witness so he will
5 know exactly what you're talking about.

6 BY MR. BARRIE:

7 Q I'm asking you, Dr. Wong, as an
8 epidemiologist, are you aware of studies that show the
9 relationship between benzene exposure and the risk of
10 development of chronic myeloid leukemia?

11 A No.

12 Q You're aware of none?

13 A No.

14 Q No?

15 A You always ask double negative questions.

16 I find it difficult to answer.

17 MR. WOOLF: His testimony is he's not
18 aware of any such studies.

19 BY MR. BARRIE:

20 Q Are you aware of any such studies that
21 support the relationship between benzene exposure, at
22 whatever dose, for whatever duration, and the
23 development of chronic myeloid leukemia?

24 A No.

25 Q Let's talk a little bit about some of the

34

1 studies that you've done.

2 When you, as a company, epidemiology

3 services, provide services to a sponsor, how does that

4 work?

5 For example, when I was at the university

6 level doing research and we went out for a grant, for

7 example, from NIH or someplace else, we had a protocol

8 that we submitted and we had a budget we were trying

9 to get. And in order to perform the services, we had

10 to put in a bid and had to have a bunch of discussions

11 in order to get the grant money.

12 When you perform services, whether it's

13 for Mobile, whether it's for Shell, the studies you've

14 done, whether it's for the MCA or the API, or whoever

15 you're doing studies for, how does that procedure

16 work?

17 In other words, walk me through the

18 initial contact, the exchange of information, the data

19 assembly, the acquisition of data, the data analysis,

20 the reports, and then the final article, walk me

21 through that.

22 A It's similar exactly to what you just

23 described, most of the time, unless a project is very,

24 very small. I can give you an example.

25 We have done a very large study of

35

1 gasoline distribution workers for API, at the American
2 Petroleum Institute. And basically we submit a
3 proposal. to API, and my understanding was that there
4 were like ten different institutions asked to submit
5 proposals, and most of them they submit a proposal to
6 API. That includes -- in fact, most of them are
7 university investigators.

8 And API went through the selection
9 process, and so on, by committee, and we were
10 selected. In our proposal, we have an outline of what
11 we will do, what it will cost to do it, and we follow
12 the protocol submitted in the proposal.

13- Q Let's start at the beginning. How do you
14 know that such a study is going to be requested by the
15 Manufacturing Chemists' Association, Chemical
16 Association, the MCA or API, the American Petroleum
17 Institute?

18 How do you know to even submit the
19 proposal in the first instance; does somebody call you
20 on the phone and say we're interested in doing a study
21 on gasoline, we're interested in doing a study on
22 benzene exposure, or whatever? Give us a protocol.
23 Or is there a letter that's sent out, or do you
24 actually call them first?

25 Tell me how you actually get to make the

36

1 proposal in the first instance.

2 A You would be -- we would receive what we
3 call a request for proposal, RFP.

4 Q Once that's done, you then prepare the
5 request for proposal, the proposal itself?

6 A If we're interested, and if we know that
7 we can do it, then we would prepare a proposal.

8 Q Once the proposal is submitted, is there
9 any exchange between -- let's just say the MCA, for
10 example, let's use them as a sponsoring body, the
11 Manufacturing Chemical Association.

12 Do they then send back a letter that says
13 your proposal is accepted, or you need to make the
14 following modifications, or why don't you also include
15 these items, or do they just blanketly say yes or no?

16 A Most of the time it's yes or no.

17 Q Okay. What about the times when it's not
18 ayes or no, do they say, why don't you also include
19 this, why don't you change the protocol to this?

20 Do, on occasion, they ask you to do
21 different activities from that originally submitted by
22 you in your proposal; in other words, is there a
23 dialogue that goes on between you and the MCA, or is
24 it just this blind submission of a document and there
25 is a vacuum and nobody hears anything, and then it

37

1 comes back yes or no?

2 A As I said, most of the time it's yes or

3 no. But .I don't think I can rule out that they would

4 not make sometimes some suggestions in terms of the

5 schedule or the budget, or justify certain ways of

6 doing certain things from the reviewers.

7 Q In terms of suggestions, would they also

8 make suggestions with respect to the protocol, i.e.,

9 how you're supposed to do it?

10 A No. Not on those major issues.

11 Q Do they make suggestions with respect to

12 the numbers of people or the cohorts of individuals

13 that would be investigated or evaluated?

14 A No.

15 Q So it's your testimony that once the

16 proposal goes through, and on those rare occasions

17 that or those occasions that there is dialogue back

18 with you, there really is no discussion about the

19 protocol itself, how it's to be done, the nature of

20 how it should be accomplished, the cohorts that should

21 be selected, that does not occur; is that correct?

22 A No. But sometimes when we actually go

23 into a study, when we look at the records and so on,

24 and certain assumptions that we have made in the

25 proposal stage may or may not be true.

38

1 And in that case, there may be some
2 dialogue between us and the sponsor to try to modify
3 that.

4 Q Speaking of sponsors, who have been some
5 of the sponsors of your studies?

6 A Well, you named some, American Petroleum
7 Institute, Chemical Manufacturers' Association, oil
8 companies, Mobile, Chevron, Shell, but I also do a
9 lot of work for non-petroleum companies.

10 Q In that work for non-petroleum companies,
11 have you ever done any services in the area of
12 epidemiology, particularly just for unions, unions
13 whose workers maybe in refineries?

14 A Unions and refineries?

15 Q You bet.

16 A No.

17 Q Once you get this proposal, you have had
18 maybe some dialogue and you're selected, what's the
19 next phase, you just go about and do your study?

20 A Yes.

21 Q And during study procedure, is there a
22 dialogue with the sponsor?

23 A Well, the dialogue would be mostly one
24 sided in the sense that we have to provide progress to
25 them.

39

1 Q For example, in one of the studies that
2 you performed looking at benzene exposure and
3 manifestations of leukemias, it was necessary for you
4 to rely on data submitted by the oil companies as to
5 exposures that their workers may have been exposed to,
6 specifically benzene; that would be accurate; correct,
7 I mean, you rely on them giving you data as to
8 exposure?

9 A Yes.

10 Q You don't go out to the field to
11 corroborate one way or the other whether the exposure
12 is good or bad, the numbers that they are giving you?

13 A Sometimes we do.

14 Q Well, tell me the times that you've
15 actually been to a refinery to corroborate the
16 exposures to benzene to see whether in fact it's what
17 the oil company is telling you it is, who have you
18 gone to?

19 A That study that I am thinking of now is a
20 distribution workers' study that we did for API. In
21 that study, actually we have two components, one was
22 epidemiology, and one was exposure assessment.
23 And for the exposure assessment
24 component, a Professor Thomas Smith at Harvard
25 University was in charge of that, but I worked with

40

1 him in that study.

2 And basically oil companies provide us
3 with industrial hygiene data, with information on
4 engineering changes, controls, and so on. Over the
5 years, we also did some assimilations as well.

6 Q And that's what I'm talking about. I'm
7 not talking about an oil company who's sending you
8 some industrial hygiene data; I'm not talking about
9 them sending you data.

10 I'm talking about you going out there
11 physically, yourself, talking to somebody at the
12 refinery and maybe doing an assimilation to say, yes,
13 you know, this oil company gave us some data that goes
14 back to the '40s or '50s or '60s or '70s that says
15 when you're doing this work practice you get exposure
16 to benzene.

17 They may have been done with a Dreger
18 tube, they may have done it by a continuous monitor,
19 we want to do an assimilation to be sure that, gosh,
20 their numbers are correct. How many times have you
21 personally done that?

22 A We did that in the distribution workers'
23 study.

24 Q Was that just on one occasion?

25 A I think that was the major one, yes.

41

1 Q For example, did you do it in this case
2 with Marathon, did you run out there and say, you
3 know, I'm going to make some assumption on whether
4 this fellow was even exposed to benzene, I want to go
5 out there to a tank, to an API separator and run some
6 assimilations to determine whatever data Marathon is
7 telling me is indeed accurate; did you ever do that
8 with Marathon in this case?

9 A No. This is a case -- this is not a
10 study. No, I did not do that.

11 Q Do you plan on doing that?

12 A No.

13 Q Is it your opinion that regardless of the
14 dose of exposure to benzene, in whatever concentration
15 over whatever length of time and duration, there is
16 just no absolute risk for the development of chronic
17 myeloid leukemia?

18 A The answer is yes to the extent of
19 exposure of the workers included in the studies that I
20 rely on. I mean, there is a limit of what the
21 exposure range would be.

22 Q And it would be true, would it not, that
23 most of the exposures that are provided to you are
24 based on historical records of individuals working as
25 employees in a refinery, for example?

42

1 A Well -

2 MR. WOOLF: Object to the timing of the
3 question and the inference that it applies to all of
4 the literature that he relies upon, because the
5 literature that he relies upon includes more than just
6 studies that Dr. Wong himself has done. You may reask
7 the question and you may answer it.

8 BY MR. BARRIE:

9 Q You can answer it.

10 A I was going to say that I don't just rely
11 on the studies that I have done, I rely on studies
12 that other people have done. Any study that talks
13 about benzene or petroleum exposure and chronic
14 myeloid leukemia.

15 Q And I appreciate that. But what I'm
16 saying is as a historical nature for epidemiological
17 studies, they are relying on data submitted by the oil
18 companies to you with respect to industrial hygiene
19 and the concentration that benzene people may be
20 exposed to, but it's limited to employees, it does not
21 include contract workers; would that be a fair
22 statement?

23 A Studies based on company employees, of
24 course, would not include contractors unless some of
25 the contractors -- contract workers have worked for

43

1 them as an employee later on in life or early on. We
2 cannot rule that out.

3 Q Certainly. But, for example, when you
4 work for the CMA, Chemical Manufacturers' Association,
5 or the old MCA or the API, the American Petroleum
6 Institute, you're working for the participants of
7 those organizations, for example, the various oil
8 companies; correct?

9 A Yes.

10 Q And they are supplying data based on
11 their employees, would that be accurate, they are not
12 supplying data for contract personnel who come in and
13 . out of the plants, except as you suggest, if they
14 later turned out to be employees?

15 A No. Some of them would be personal
16 samples, some may be area samples, so that would apply
17 to anyone who worked there.

18 Q In this particular case, did you see any
19 industrial hygiene air sampling, whether it was
20 direct, personal monitoring or area monitoring for
21 Mr. Leija?

22 A There was some industrial hygiene data
23 sent to me, yes.

24 Q Did you see any specific air monitoring
25 data specific for Mr. Leija, any personal monitoring?

44

1 A Not on him, no.

2 Q Let's go through these documents, and the
3 court reporter has kindly marked them as Exhibits 6-A
4 through 6-W.

5 Dr. Wong, those exhibits, as I appreciate
6 your prior testimony, are the articles that you're
7 relying on in this particular case to support your
8 opinion that under any dose, any duration of exposure
9 to benzene, there is no risk for the development of
10 chronic myeloid leukemia; is that correct?

11 A I thought we agreed to the wording of the
12 "major" articles.

13 Q I thought we did too, but you didn't use
14 "major."

15 A Well, I just wanted to be sure.

16 Q Let me just ask you.

17 A It depends. If somebody asks me at trial
18 a question about general epidemiology, of course I
19 have authority -- some articles on general
20 epidemiology. If somebody asks me some questions on
21 meta analysis, of course, I refer to my articles on
22 meta analysis.

23 Q But -

24 A But let me finish. But the key is really
25 these are the major articles that I am going to rely

45

1 on.

2 Q We can agree on that, these are the major
3 articles, I appreciate that, and I'm not trying to put
4 you in a corner. I appreciate there may be questions
5 that Mr. Woolf may ask you about your meta analysis or
6 about general epidemiology. You may say here's a
7 paper I've done.

8 But getting down to nuts and bolts, meat
9 and potatoes, as we say, we're talking about these are
10 the articles that support your opinions?

11 MR. WOOLF: These are the major articles.

12 BY MR. BARRIE:

13 Q These are the major articles; would that
14 be correct?

15 A Yes.

16 Q Let me bounce around to a couple things.

17 In prior deposition testimony you've indicated that
18 there is a part per million years risk for the
19 development of leukemias.

20 And I just want to ask you: In one
21 occasion you testified, I believe it's accurate, that
22 for benzene to cause a risk for the development of
23 leukemia you needed 60 part per million years. .

24 Correct me if I'm wrong, am I accurate

25 there, is that still your opinion?

46

1 A That must be very, very old information
2 before we have -- before we have analysis on specific
3 forms of leukemia.

4 Q Many times epidemiological studies do not
5 break down benzene in specific cell types; is that
6 correct?

7 A A lot of studies the authors do not
8 report results by specific cell types for a number of
9 reasons.

10 Q And they amalgamate or bring together a
11 lot of the different types of hematopoietic diseases
12 that ICD codes may be related to lymphomas, lymphatic
13 diseases or leukemias; in other words, they group them
14 all together?

15 A Well, epidemiologic studies -- in cohorts
16 of studies we analyze different causes of death. And,
17 I mean, above all we have all causes of death, that
18 would include everything, cancers as well as
19 non-cancers. And then we break down into different
20 systems. So yes, the hematological system would be
21 one of the categories that we look at overall.

22 Q In some of the epidemiological studies
23 that have looked at, ICD groups of hematopoietic or
24 blood diseases, they found that increased risk for the
25 development of these diseases from exposures to

47

1 benzenes had a statistically significant elevation;
2 would that be correct?

3 A. No, that's just a way the reporting goes.

4 In those studies, I'm sure you will find that they are
5 also providing a-risk ratio for all causes of death,
6 and for that, most of the time, you would be
7 significantly low.

8 Q It's been reported as low, it's been
9 reported as high, that would be accurate; correct,
10 whether it's reported as a relative risk or whether
11 it's been reported as an SMR; correct, it's been
12 reported both ways?

13 A For what?

14 Q For benzene causing an increased risk for
15 the development of blood cancers including leukemia.

16 A I have to look at this. If you tell
17 me -- if you give me a study I'll look at it.

18 Q I understand that. Sitting here today,
19 as an epidemiologist rendering an opinion in this case
20 that benzene is not related to chronic myeloid
21 leukemia, have you seen articles that have linked all
22 hematopoietic diseases such as leukemias, CMLs, not
23 broken it down by cell type, have you seen those
24 cancers that report an increased SMR or relative risk
25 for the development of those diseases?

48

1 A Yes. There are studies reporting an
2 increase for overall leukemia, but it may or may not
3 include CML.

4 Q That brings me around to my first
5 question, which is what is your opinion today about
6 the increased risk for the development of all
7 leukemias as a result of exposures to benzene in part
8 per million percent or part per million months?

9 A Based on what we know now, I don't think
10 that's a correct question to ask. We do not look at
11 leukemia as a single disease, but rather it's a group
12 of different diseases.

13 Q And so you can't give me an answer as to
14 all leukemias?

15 A No, I cannot.

16 Q You're familiar with the Yin study or the
17 Yin paper that was published; you're familiar with
18 that paper?

19 A Yes.

20 Q The Chinese paper?

21 MR. WOOLF: Which?

22 MR. BARRIE: The Chinese paper.

23 MR. WOOLF: The reason I came up is that
24 I don't know whether you're talking about the study as
25 a whole, or whether you're talking about various

49

1 papers that Yin is an author of, and those would be
2 two different questions.

3 MR. BARRIE: I'm talking about the
4 Chinese study where he found that there was increased
5 risk for the development of certain cell types of
6 leukemia in China.

7 Q Are you familiar with that study?

8 A Yes.

9 Q Do you have any criticisms of that study?

10 A Yes.

11 Q What are they?

12 A Number one, people keep referring to the
13 Chinese benzene study. The first part is true, it's
14 Chinese, because all the work was in China.

15 The second part of that characterization
16 is not completely true, benzene, because it's not a
17 single industry or single company study. It's a study
18 that consists of many, many different industries in
19 China. And the workers were exposed to benzene in
20 addition to a variety of different chemicals.

21 So definitely confounding exposure to
22 other substances would be a serious problem in that
23 study.

24 Q Any other criticisms?

25 A The second one would be in this country

50

1 when we do a follow up to find out whether people are
2 still alive or not, we have certain databases to go
3 to.

4 In China they don't have that. They
5 don't have a centralized place that they can use. And
6 that's the reason why they have to have what we call
7 an ad hoc comparison group, a group of workers not
8 exposed to benzene -

9 Q Are you talking -

10 A -- for comparison.

11 Q I don't mean to interrupt you, but are
12 you talking about the internal comparison group or a
13. national comparison group, for example, groups within
14 the same industry who have no exposure, or groups
15 outside of the industry similarly compared that do not
16 have the exposure; in other words, internal comparison
17 versus, say, like national statistics?

18 A Well, in the Chinese study, it's neither
19 one of them. In China they do not have national
20 mortality rates going back to what they want, or break
21 down by the specific disease category that they want.
22 So they have to rely on some ad hoc group.

23 Now, I don't like to use the word
24 internal comparison because they are not internal
25 groups. They are workers in some entirely different

51

1 industries. So they are not internal, so to speak,
2 internal workers.

3 Q But your criticism would be that that
4 group does not exist?

5 A I don't follow what you're saying.

6 Q I'm just trying to understand what the
7 second criticism is. We've got the first one, the
8 confounding variables that you feel for other
9 exposures; second is that they have no comparison
10 group and they have to use an ad hoc comparison group?

11 A Right.

12 Q That may not be from the same industry?

13 A They are not from the same industry. And
14 that group is very small by comparison. And the
15 rates, the disease, the mortality rates in the
16 comparison group are highly unstable because of the
17 small number of deaths or cases due to specific
18 diseases.

19 Q Would you agree or disagree that small
20 groups present confounding issues for causation or
21 lack of causation when you're doing epidemiological
22 studies?

23 A I'm not even talking about that. I'm
24 saying when you compare, for example, a benzene
25 exposed group to the national average, using the

52

1 national rates, the national rates are very stable,
2 that's what we use in this country.

3 In China, they don't do that. They
4 compare to another group; that group is, by
5 comparison, much smaller. For example, if you looked
6 at the chronic myeloid leukemia findings, they have
7 only two chronic myeloid leukemias in the comparison
8 group, so the entire basis for comparison in the
9 Chinese study is based on two cases only of chronic
10 myeloid leukemia. And if you have one more or one
11 less, the results were completely different.

12 Q I understand that. I guess, as a general
13 proposition, which I think was my primary question, as
14 a general proposition, when you're doing
15 epidemiological studies, is it true or not true that
16 when you have small numbers or small cases that you're
17 looking at, you have a problem with either over
18 estimating or underestimating the results?

19 A Well, when you have a small group, you
20 should really look at -- well, for any study results,
21 you should look at what we call the 95 percent
22 confidence interval.

23 If the study is small, what we are saying
24 is that the estimate, the risk estimate may not be
25 that reliable and you will see a huge, 95 percent

53

1 confidence interval.

2 So if you're talking about certain
3 diseases that that rate is not that high, then by
4 looking at smaller studies, yes, your finding will not
5 be that conclusive.

6 Q Other than those two criticisms, are
7 there any others?

8 A Another major criticism of the Chinese
9 study would be underestimate of the exposure.

10 Q Anything else?

11 A For some of the diseases, the diagnostic
12 accuracy is also very low.

13 Q Anything else?

14 A Those are the major ones.

15 Q In your two papers that are referenced as
16 Exhibits K and L, have you gone back to reevaluate the
17 data that was used in the publication of these two
18 studies?

19 A Since the publication?

20 Q Yes, sir.

21 A No.

22 Q Where does the data for these two studies
23 exist?

24 A I don't even know. That was done when I
25 was with a different company, a different place. CMA

54

1 may have it. I don't know.

2 Q Was there a file tape that was kept; in
3 other words, an electronic -- like a diskette or
4 something that this data was used on for use on
5 computers?

6 A We didn't have diskettes then, we had
7 tapes.

8 Q You have tapes?

9 A We had tapes at that time. I'm sure at
10 that time we have a copy of the tape.

11 Q Where would that be?

12 A Now?

13 Q Yes.

14 A I don't know.

15 Q Is it typical after you get the study
16 done and then you go -- you issue a report, does the
17 report go then back to the sponsor?

18 A Of course the report goes to the sponsor.

19 Q Is that the final report or is it a
20 preliminary report?

21 A Most of the time we have a draft report
22 sent to the sponsor and they may comment on that, and
23 if we accept the comments, we may incorporate that
24 into the final report.

25 If we don't, we may explain why we do not

55

1 accept those comments, but there would be a draft
2 report and a final report.

3 Q If the draft report goes up and there are
4 comments suggested by the sponsor, if the suggestions
5 are made, are they incorporated into the report or do
6 you make a decision one way or the other whether to
7 follow those recommendations for changes and then
8 issue another draft report?

9 For example, I submit my thesis to my
10 doctoral committee; it goes up to my doctoral
11 committee group and my group takes a look at it and
12 they say, uh-huh, here's this guy's thesis and he is
13 saying this, that and the other thing.
14 We think we ought to change A, B, C and
15 D. It comes back to me, I then redo it; it goes back
16 again. You've been through this routine. It comes
17 back again. There is a constant -

18 A I did not go through that routine. I
19 think they accepted my thesis the first time around.

20 Q You are a fortunate gentleman.

21 A No, I'm not fortunate. I'm just better
22 than you are.

23 Q That we shall see.

24 A I'm just kidding you. Don't get
25 offended. I'm kidding. I don't remember how many

56

1 times my thesis got sent back.

2 Q But in all seriousness, there are changes

3 that are recommended, usually in studies, candidly,

4 and they come back for revision and go back again.

5 I'm asking you, when somebody -- when a

6 sponsor sends back an answer or a critique or

7 something, they are the people that are paying for the

8 study, number one, and number two, you're going to

9 incorporate them somehow.

10 And I'm just asking you: Is the draft

11 that goes up that comes back, that may go back up

12 again, that may come back down, is that always

13 , referred to as the draft?

14 MR. WOOLF: Counsel, I object to the

15 colloquy and move to strike the characterization of

16 what the witness has already testified to your

17 original question, on the same subject, is that if

18 suggestions come back from the sponsor, they are

19 considered and sometimes they are accepted and

20 incorporated in the final study, that is the final

21 report, and sometimes they are rejected and not

22 incorporated in the final report.

23 Your colloquy suggests that the

24 suggestions are always incorporated in the final

25 report, and that's not what the witness said. So I

57

1 would appreciate if you now ask the question without
2 the characterizations and let the witness answer
3 whatever questions you have in mind.

4 MR. BARRIE: I'm trying really hard to do
5 that.

6 Q The bottom line is, how many times it may
7 go up and down, even if it's once or zero, is the
8 report always labeled as a draft or is it draft one,
9 draft two, or just draft?

10 A I think we will label it as draft. And I
11 can't think of too many occasions you would be back
12 and forth several times.

13 Now, sometimes that sponsor may say wait
14 a minute, you completely left out one analysis that we
15 want to see, or you left out some analysis that you
16 promised to do in your proposal or at some meetings
17 and so on. Then we would go back and do some of those
18 things. But most of the time it would be
19 clarifications of what we did, or maybe provide more
20 discussion on some findings and so on.

21 Q Do you distinguish the drafts?

22 A No.

23 Q If there is more than one, do you say
24 draft one, draft two, or is it always draft?

25 A I would say it would be draft, but there

58

1 would be a date on the report that we can look at.

2 Q I'm trying to understand whether it would
3 be draft one, two, three or just draft?

4 A Personally I don't use that system.

5 Q So it would be draft?

6 A Yes.

7 Q Is it still your opinion that there is
8 only one recognized cause for the development of
9 chronic myeloid leukemia, that being ionizing
10 radiation?

11 A I have not looked at other risk factors
12 of chronic myeloid leukemia. I won't be able to
13 answer your question sitting here today.

14 Q Did you look at any other risk factors
15 for the development of Mr. Leija's chronic myeloid
16 leukemia to rule them in or rule them out?

17 A No.

18 Q I believe -- and correct me if I'm
19 wrong -- I believe you gave a presentation at Rutgers
20 University one time on benzene-related leukemias. Do
21 you recall doing that at Rutgers?

22 A My coauthor gave or delivered the
23 presentation. I did not.

24 Q You didn't do that?

25 A No. Are you referring to a 1995 benzene

59

1 -

2 Q That's correct.

3 A Raabe, he was the one who gave the
4 presentation, but I was a coauthor of that paper.

5 Q And .that was a paper that was distributed
6 to the members or the participants there?

7 A I don't know.

8 Q Do you have a copy of that paper, if it's
9 not already been presented here today?

10 A It's based on my 1995 publication. It's
11 the same paper.

12 Q I just want to be sure it's the same
13 paper.

14 A Yes.

15 Q Looking at Exhibit 6-K, which was a paper
16 that you authored, they discuss a variety of plants,
17 facilities, and the paper is rather in-depth about
18 data received, data analyzed. I'm not going through
19 that with you, but just looking here at table one on
20 Exhibit 6-K, you see the plants one through seven?

21 A Right.

22 Q Can you tell me what those plants were in
23 numerical area; what was plant one, what was plant
24 two, three, four, five, six or seven?

25 A I don't remember.

60

1 Q Is there any way that you would have
2 documents to refresh your memory?

3 A No.

4 Q Do you know where those materials would
5 be maintained, this raw data?

6 A The sponsor may remember. I mean, the
7 sponsor may have some documents to refer to.

8 Q Is it your procedure once you finish a
9 presentation or something for one of the sponsors that
10 you just purge all the data or send it back, or what
11 do you do with it all?

12 A Well, in this study it was done with
13 another company when I was there. I left the company
14 some time ago.

15 Q That company was?

16 A The company was -- at that time when I
17 did the study -- was Environmental Health Associates.
18 Later on -- and Environmental Health Associates was
19 merged with another company, LASER Company, and I left
20 them at the end of 1990.

21 Q Did you leave all the data with them?

22 A The data was at LASER. I don't know
23 because this is an old study, but, in general, the
24 studies that I did when I was there, the data remained
25 there.

61

1 Q Where is NSER?

2 A I don't even know whether they are in
3 existence or not.

4 Q Where's the company?

5 A The company?

6 Q Is it still in existence?

7 A I don't know.

8 Q Where were they?

9 A They were all over. The headquarters
10 were in Houston.

11 Q What about Environmental Health

12 Associates?

13 A It's no longer an entity, it merged with
14 NSER.

15 Q When did that merger occur?

16 A 1987.

17 Q Do you know whether NSER is still in
18 Houston, Texas?

19 A I have no idea.

20 Q When you left Environmental Health

21 Associates, who did you report to?

22 A I don't even remember the names. I was
23 there for a short three years, and they had some
24 people changing positions and so on.

25 Q Okay. In either of these two studies

62

1 that are reflected in Exhibits 6-L or 6-K, did you
2 ever go back to redo the study to include and
3 incorporate contract workers?

4 A No.

5 Q What is the name of your company right
6 now? I wrote it down, I apologize.

7 A Applied Health Sciences.

8 Q You said they were formed in 1991?

9 A Yes. Well, actually, I started working
10 there in 1991, but we may have filed for incorporation
11 at the end of 1990 or something.

12 Q And which state are they incorporated?

13- A The best state, California.

14 Q You're talking to somebody from Texas so
15 that can't be true. But seriously -

16 A California. I am serious, it is the
17 best.

18 MR. WOOLF: Do you want to ask him or do
19 you want me to ask him?

20 MR. BARRIE: Let's just wait until I'm
21 done.

22 MR. WOOLF: That's fine.

23 BY MR. BARRIE:

24 Q In the formation of your opinions today,
25 have you made any assumptions? Are you there any

63

1 assumptions that you're saying, I base my opinion on
2 the articles, and you've brought them here today, but
3 I'm also assuming A, B, C, D and E, are there any
4 assumptions that you're making here today in the
5 formation of your opinions, aside from the articles?

6 A Well, I mean, there is some facts that I
7 assumed in this particular case, that his diagnosis
8 was chronic myeloid leukemia. That is one of the
9 assumptions.

10 Q You're not sure of the diagnosis, that's
11 an assumption you're making?

12 A That's something that I accepted.

13 Q Okay.

14 A I'm also assuming the employment history,
15 which I summarized in one of the exhibits that I
16 provided to you.

17 Q Why is that important?

18 A That means that he worked at the refinery
19 for a certain number of years. I mean, that's the
20 part that I have to address.

21 Q Any others?

22 A That's it.

23 Q When people work at refineries, are they
24 at risk for exposure to benzene?

25 A I would say so. Some are exposed to

64

1 higher levels than others, depending on the time
2 period we're talking about.

3 Q But, as a general proposition, people
4 that worked in refineries in the '60s and '70s and
5 '80s are at risk for exposure to benzene?

6 A That's correct.

7 Q You're not telling us today that the
8 plaintiff didn't have any risk for exposure to
9 benzene; you're not telling us that today, are you?

10 A No.

11 MR. BARRIE: Dr. Wong, that's all the
12 questions I have at this point.

13. MR. WOOLF: For the deponent's sake none.
14 that means we're through.

15 (Plaintiff's Exhibits 1 - 6-W marked)

16

17

18

19

20

21

22

23

24

25

65

1

2

3

4

5 I, OTTO WONG, do hereby declare under
6 penalty of perjury that I have read the foregoing
7 transcript; that I have any corrections as appear
8 noted, in ink, initialed by me; that my testimony as
9 contained herein, as corrected, is true and correct.

10 EXECUTED this day of ,

11 19 , at

(City) (State)

12

13

OTTO WONG

14

15

16

17

18

19

20

21

22

23

24

25

A RECORD OF EXCELLENCE

E SQU I R E ", Troy Lansing Grand Rapids

248-244-9700 Sli-337-1337 C•16-Rio-6U!)

Detroit Ann Arbor Kalamazoo'

DEPOSITION SERVICES 313-961-5560 7 34-7 69-i S08 C, i 6-i 5=-i. ;,,,