

701

1
2 IN THE UNITED STATES DISTRICT COURT
3 FOR THE EASTERN DISTRICT OF PENNSYLVANIA
-----X
4 IN RE: ASBESTOS PRODUCTS LIABILITY
Civil
LITIGATION (NO. VI)
Action No.
5
MDL 875
-----X
6 This Document Relates To:
7 UNITED STATES DISTRICT COURT
8 FOR THE DISTRICT OF MINNESOTA
9 CONWED CORPORATION,
10 Plaintiff,
Civil
11
Action
-against- No.
5-92-88
12 UNION CARBIDE CORPORATION,
13 Defendant and
14 Third Party Plaintiff
15 -against-
16 OWENS-CORNING FIBERGLAS
17 CORPORATION et al,
18 Third Party Defendant.
-----X
19
20 August 13, 1998
EDWARD_ILGREN
21

22

23

24

25

702

1

2

3

August 13, 1998
9:50 a.m.

4

5

6

7

8 Continued deposition of EDWARD ILGREN,
taken by

9 Plaintiff, pursuant to adjournment, at the
offices

10 of Kelley, Drye & Warren, L.L.P., 101 Park
Avenue,

11 New York, New York, before Nancy R.
Sullivan, a

12 Shorthand Reporter and Notary Public
within and

13 for the State of New York.

14

15

16

17

18

19

20
21
22
23
24
25

703

1

2

A p p e a r a n c e s :

3

STICH, ANGELL, KREIDLER,
BROWNSON & BALLOU, P.A.
Attorneys for Plaintiff
The Crossings, Suite 120
250 Second Avenue South
Minneapolis, Minnesota 55401

4

5

6

7

BY: ROBERT D. BROWNSON, ESQ.

8

and

9

RUDNICK & WOLFE, ESQS.
Attorneys for Conwed

Corporation

10

203 North LaSalle Street
Chicago, Illinois 60601-1293

11

BY: MICHAEL R. GOLDMAN, ESQ.

12

of

Counsel

13

14

KELLEY, DRYE & WARREN, L.L.P.
Attorneys for Defendant and
Third Party Plaintiff Union

15

Carbide

16

Corporation
101 Park Avenue
New York, New York 10000

17

18

BY: ALAN J. GERSON, ESQ.

19 and
20 FOLEY & LARDNER, ESQS.
Firststar Center
21 777 East Wisconsin Avenue
Milwaukee, Wisconsin
53202-5367
22
23 BY: TREVOR J. WILL, ESQ.
of
Counsel
24
25 oOo

704

1
2 E D W A R D I L G R E N
3 resumed having been duly resworn,
was
4 examined and testified further as
follows:
5 EXAMINATION (Continued)
6 BY MR. BROWNSON:
7 Q. Good morning, Dr. Ilgren.
8 A. Good morning.
9 Q. We are now continuing your
deposition
10 on the case of Conwed versus Union Carbide
versus
11 others.
12 A. Yes, sir.
13 Q. And I wanted to begin by
asking you
14 some questions about a series of three
recent
15 papers that you have authored which are

entitled

16 "Coalinga Fibre - a Short Amphibole-Free
17 Chrysotile," parts 1, 2 and 3.

18 Do you have those in front of
you

19 there?

20 A. Yes, I do.

21 Q. Why don't we begin by talking
about

22 those.

23 Now, these papers have been
published

24 in a journal called Indoor Built
Environment,

25 correct?

705

1 Ilgren

2 A. Yes.

3 Q. What kind of journal is that?

4 A. It is a peer review journal
that is

5 based in the United Kingdom published by
Karger

6 Press in Basel, Switzerland.

7 Q. How would you describe this
journal?

8 Is it a scientific journal, a medical
journal or

9 what is it? How would you describe it?

10 A. I would say it is a
combination

11 medical, scientific.

12 Q. Does it publish articles on
13 medical topics?

14 A. Some. Occasionally a case
15 report.

16 Some medical articles.

17 Q. Do you know has it published
18 other animal inhalation studies that you are
19 aware of?

20 A. I don't know.

21 Q. Now, it indicates here that
22 the authors of these three articles are
23 yourself and Eric Chatfield, is that correct?

24 A. Yes.

25 Q. And I am now focusing on
26 parts 1, 2 and 3 which we have in front of us here
27 and we will get into this in some detail later,
28 but

706

1 Ilgren

2 before you do, can you tell me what part
3 of those three papers was done by you and what part
4 was done by Dr. Chatfield?

5 A. I've done, I suppose,
6 virtually all

6 of the papers.

7 Q. Can you -- is there any
particular

8 item in the three papers, part 1, 2 and 3,
that

9 Dr. Chatfield did that you can point to us
so we

10 could mark that as something that was done
by Dr.

11 Chatfield?

12 A. No, sir.

13 Q. Now, are there further parts
to this?

14 I see reference to other things in press
as I read

15 these papers?

16 A. Yes, sir.

17 Q. What other parts are there?

18 A. Part 4 is the hygiene
analysis which

19 will also include a description of the
physical

20 and chemical properties, and there may be
a part 5

21 talking about more general implications of
the

22 work, but there is definitely a part 4.

23 Q. Is that something that is
actually

24 being worked on at this point?

25 A. Yes, sir.

1 Ilgren

2 Q. So that is work underway?

3 A. Yes, sir.

4 Q. Is that in press, so to
speak, or is

5 it prepress?

6 A. It is prepress.

7 Q. And does Dr. Chatfield have
something

8 to do with the part 4?

9 A. Yes, sir.

10 Q. And just so I can understand
a little

11 bit about what this is, you say the part 4
will

12 deal with hygiene conditions.

13 What do you mean by that,
hygiene of

14 what and where?

15 A. It will look at the fiber
counts and

16 size characteristics of Coalinga
chrysotile as

17 compared to Canadian chrysotile in air as
noted on

18 direct examination as opposed to in water

19 following indirect examination.

20 Q. When you say in air on direct

21 examination, are you talking about a
direct

22 analysis technique?

23 A. Yes, sir.

24 Q. Basically asbestos fibers are
25 collected out of air through a pump and
deposited

708

1 Ilgren

2 onto a filter and analyzed?

3 A. Yes, sir.

4 Q. And have those samples been
obtained

5 already or are these something that is
going to be

6 obtained in the future?

7 A. They have been obtained.

8 Q. Where are they from?

9 A. They are from the Muhle, et
al.

10 animal 1987 bioassay and also from filters
taken

11 by KCAC in the region of the -- I believe
region

12 from the mill but also perhaps the mine.

13 Q. And who has these materials
at the

14 present time?

15 A. Dr. Chatfield.

16 Q. How was he able to get air
filters

17 from KCAC Company?

18 A. I requested KCAC to have them
sent to

19 Dr. Chatfield.

20 Q. Did KCAC just give them to
you?

21 A. They sent them to Dr.
Chatfield.

22 Q. Do you do any work for KCAC
or how is

23 it that they give you filters?

24 A. I just called them and asked
them to

25 give filters to Dr. Chatfield.

709

1 Ilgren

2 Q. For example, when I called
them over

3 the years to get things, they wouldn't
even talk

4 to me. I am just curious why is it they
talk to

5 you and give you things?

6 A. I don't know.

7 Q. It is true, isn't it, because
they

8 know you are working for Union Carbide
that

9 therefore they are cooperating with you in
your

10 work and investigation?

11 A. Perhaps. I don't know.

12 Q. Well, who have you dealt with
at KCAC

13 in connection with obtaining these
filters?

14 A. I have dealt with Mr. John

Myers and

15 Mr. Ed -- well, formerly Mr. Myers and
presently

16 Mr. Kleber.

17 Q. And both of those gentlemen
of course

18 know that you are an expert retained by
Union

19 Carbide in litigation and are working for
Union

20 Carbide, don't they?

21 A. I don't know.

22 Q. Well, have you told them
that?

23 A. I haven't told them. They
may know

24 or they may not know.

25 Q. Who was it who made the
introduction

710

1 Ilgren

2 to you to Mr. Myers?

3 A. I don't recall.

4 Q. Do you remember when you
first

5 contacted Mr. Myers about obtaining these
air

6 samples?

7 A. No, not really. Years ago.

8 Q. So you have had these air
samples for

9 several years or these filters?

10 A. At least.

11 Q. Is there any correspondence
between

12 you and Mr. Myers dealing with these
filters or

13 Mr. Kleber?

14 A. Not to my recollection.

15 Q. Was this contact between you
and

16 Myers or Kleber over the telephone?

17 A. Yes, sir.

18 Q. And was this direct contact
between

19 you and these two gentlemen or was it
through

20 intermediaries?

21 A. It was direct contact, as I
recall;

22 direct contact as I recall, it was direct.

23 Q. And in terms of these air
filters you

24 have obtained from KCAC, do you know when
the

25 filters were taken or the air samples were
taken?

711

1 Ilgren

2 A. No.

3 Q. Is there any information that
was

4 provided to you that tells you when they
were

5 taken?

6 A. There may be.

7 Q. Have you seen anything that
says when

8 they were taken?

9 A. They went directly to Dr.
Chatfield,

10 so whatever he has may serve to identify
the date.

11 I just don't know.

12 Q. And are you of the impression
these

13 were taken in the mill?

14 A. I believe so. I don't recall
exactly

15 whether they were taken in the mill or
they were

16 taken at the mine.

17 Q. Now, with respect to these --
well,

18 let me ask you this.

19 When do you anticipate this
part 4

20 paper might be published?

21 A. Several months.

22 Q. And do you intend to submit
it to the

23 same journal, Indoor Built Environment?

24 A. Yes, sir.

25 Q. Now, with respect to these
samples

1 Ilgren

2 from Dr. Muhle, this is the doctor in
Germany, is

3 that correct?

4 A. Yes.

5 Q. What have you gotten from him
or what

6 has Dr. Chatfield gotten from him? Are
those

7 filters?

8 A. Yes.

9 Q. And are those filters of
samples

10 taken within rat inhalation chambers?

11 A. Yes, sir.

12 Q. And who has those filters?
Does Dr.

13 Chatfield have those filters?

14 A. Yes, sir.

15 Q. Did he get those directly
from Dr.

16 Muhle or did you obtain those?

17 A. Directly from Dr. Muhle.

18 Q. Have you spoken to Dr. Muhle
about

19 getting those filters?

20 A. Yes, sir.

21 Q. Were you the one who
originally

22 requested them?

23 A. Yes, sir.

24 Q. And what did you do, call him
up or

25 write him or meet with him or what?

713

1 Ilgren

2 A. I can't recall. I certainly
spoke to

3 him on the phone several times.

4 Q. Did you ask him to send those
filters

5 to Dr. Chatfield?

6 A. Yes, sir.

7 Q. And these are filters from
some

8 animal inhalation study that he published
in 1987?

9 A. Yes, sir.

10 Q. Do you know when those air
samples

11 were taken?

12 A. Not exactly, no, sir.

13 Q. But it would be sometime
before '87?

14 A. Yes, sir.

15 Q. And was he willing to part
with those

16 filters?

17 A. Yes, sir.

18 Q. What did you tell Dr. Muhle
that you

19 needed the filters for?

20 A. For electron microscopical
analysis.

21 Q. Did you tell him that you
were

22 working for Union Carbide and were
interested in

23 helping them in their litigations with
Coalinga

24 fibre?

25 A. I don't recall.

714

1 Ilgren

2 Q. And who is paying for Dr.
Chatfield's

3 analysis of these filters from KCAC and
from Dr.

4 Muhle, do you know?

5 A. Dr. Chatfield.

6 Q. So he is funding that work
himself?

7 A. Yes, sir.

8 Q. And will Union Carbide
reimburse him

9 or pay him for any of that?

10 A. I don't believe so.

11 Q. Why is that is he just doing
it --

12 MR. GERSON: Objection. You
are

13 going to ask him why Union Carbide
is or is

14 not doing something.

15 MR. BROWNSON: If he knows.

16 MR. WILL: Well, you can ask
me.

17 MR. BROWNSON: I would
rather ask

18 Dr. Ilgren.

19 A. It is part of our independent
20 research.

21 Q. Now, you have told us before
about

22 this independent research. Are you
telling us,

23 Dr. Ilgren, that the work that you and Dr.
24 Chatfield have done and will do with
respect to

25 this Canadian or Coalinga fibre is being
funded

715

1 Ilgren

2 solely by you and Dr. Chatfield?

3 A. Yes, sir.

4 Q. And you are receiving no
5 reimbursement as part of that from Union
Carbide

6 or its attorneys?

7 A. Yes, sir.

8 MR. GERSON: Objection.

9 A. Why don't you qualify this
research.

10 MR. GERSON: I was referring
to the

11 form of the question. You asked
him in the

12 negative.

13 MR. WILL: To put it simply,
for

14 example, he is being paid for his
time here

15 today to talk about these articles,
but he

16 was not paid by Union Carbide or by
me or

17 the Center for Claims Resolution to
do the

18 articles or the research the
articles is

19 based on.

20 BY MR. BROWNSON:

21 Q. And the TEM analysis that Dr.

22 Chatfield is doing of the filters from Dr.
Muhle

23 and the filters from Dr. -- I'm sorry,
from KCAC,

24 he is just paying for that himself?

25 A. Yes.

716

1 Ilgren

2 Q. And as far as you know, he is

3 receiving no reimbursement from Union
Carbide or

4 any Union Carbide lawyers or the Center
for Claims

5 Resolution in doing that analysis?

6 A. That's correct.

7 Q. Let's now turn to the papers
we have

8 in front of us, and I am looking at the
first

9 paper which is entitled part 1?

10 A. Yes, sir.

11 Q. Do you have that in front of
you?

12 A. Yes, sir.

13 Q. And I am reading from the
abstract.

14 But before we get to the
abstract,

15 let's get to the title, which is entitled

16 "Coalinga Fibre - A Short Amphibole-Free

17 Chrysotile."

18 Do you see that title?

19 A. Yes, sir.

20 Q. Are you the author of that
title?

21 A. Yes, sir.

22 Q. Where in this paper is there
any data

23 that shows that the Coalinga fibre is
indicated

24 short?

25 A. I don't believe there are any
in this

2 paper. I would have to go through it
again. Off

3 the top of my head, I don't believe that
there is

4 any specific data that indicated short.

5 MR. GERSON: If you need to
take

6 time to look through anything, you
can take

7 all the time you like.

8 Q. Would you agree with me as a
general

9 proposition that when scientific papers
are

10 published and the title of the paper, for
example,

11 talks about short chrysotile that as a
general

12 matter there is data in the paper that
supports

13 that and would let the reader see that
this, in

14 fact, is a short chrysotile?

15 A. I believe there is some
reference to

16 a future paper, which -- again I have to
go

17 through this -- which makes reference to
our

18 forthcoming paper that would let the
reader know

19 that there is data to that effect.

20 Q. And that's the part 4 that we
spoke

21 about a moment ago?

22 A. Yes, sir.

23 Q. So is that data then or --
I'm sorry,

24 is Dr. Chatfield's electron microscope
analysis

25 now complete so that you have the data
indicating

718

1 Ilgren

2 that the Coalinga fibre is short?

3 A. Yes, sir.

4 Q. What does that data show in
terms of

5 the size of the Coalinga fibre?

6 A. It demonstrates that an
aqueous

7 solution Coalinga fibre is 96 percent or
more or

8 less than 5 microns in length, and as I
recall

9 less than 1 percent shorter than 10
microns long.

10 Q. And this is analysis done by
Dr.

11 Chatfield?

12 A. Yes, sir.

13 Q. And do you know why that
wasn't

14 presented in this paper where -- part 1
where the

15 Coalinga fibre is described as short?

16 A. Because the focus is on
fibrosis.

17 Q. Will you will agree with me
that this

18 paper and parts 2 and 3, for example,
contain

19 references of other scientific papers that
have

20 data about the size of Coalinga fibre?
Are you

21 aware of that?

22 A. I would have to go through
and check.

23 Q. Now, continuing in the title,
it says

24 "Coalinga Fibre - A Short Amphibole-Free

25 Chrysotile," is there any data in this
paper that

719

1 Ilgren

2 shows that Coalinga fibre is
amphibole-free?

3 A. It is the same response in
the part 4

4 that will be written with Dr. Chatfield,
that

5 information is contained in that as well.

6 Q. Would you agree with me again
as a

7 general matter in scientific papers if you
don't

8 have data to support these statements that

9 normally you don't make a statement like
that, if

10 you don't have data contained within a
paper?

11 MR. WILL: You have asked
him two
12 questions.
13 MR. BROWNSON: Well, I will
ask the
14 second question.
15 Q. Would you agree with me as a
general
16 proposition that in scientific papers if
you are
17 going to title it a short, amphibole-free
18 chrysotile, a reader would expect data
which would
19 indicate it is short and amphibole-free?
20 A. I think there should probably
be some
21 reference to help the reader find the
information
22 in the paper.
23 Q. But is there any such way the
reader
24 could find the information out of this
paper?
25 A. Again I would have to go
through. I

720

1 Ilgren
2 believe there is a reference to our
forthcoming
3 part 4 paper.
4 Q. But of course the reader
couldn't

5 find that because it is not published,
isn't that

6 correct?

7 A. Soon to be published.

8 Q. But it is not published?

9 A. No, sir.

10 Q. And now I am looking at the
abstract

11 of the paper, and about two-thirds of the
way down

12 it says, and I am quoting, "The first,
from

13 Coalinga, California is comprised of
fibres that

14 are almost all less than 5 microns in
length and

15 is not contaminated with amphibole."

16 Do you see that?

17 A. Yes, sir.

18 Q. Would you agree with me that
there is

19 no data obtained in either this paper or
in parts

20 2 or 3 that support that statement?

21 A. Yes, sir.

22 Q. Then you continue and say,
"The other

23 two," and I am quoting, "The other two,
the

24 Jeffrey fibre and the UICC/B standard, are
both

25 Canadian long fibre preparations with a
minor

1 Ilgren
2 degree of amphibole contamination."
3 A. Yes, sir. I see that.
4 Q. Again, you are the author of
that
5 line?
6 A. Yes, sir.
7 Q. Is there any data presented
in this
8 paper that supports the statement that
UICC/B and
9 Jeffrey fibre are long fiber preparations?
10 A. No, sir.
11 Q. And would you agree with me
that
12 there are references cited in your paper,
part 1,
13 that describe both as intermediate length
fibers
14 and not as long fibers?
15 A. I think the descriptor's
16 intermediate. I don't -- I think it is
called an
17 intermediate type. I don't think it is
18 intermediate length, though I would have
to see
19 exactly where that is stated.
20 Q. Well, you are familiar with
the
21 UICC/B Canadian chrysotile sample, that's
a
22 standard sample?

23 A. Sure.

24 Q. And you are aware of the fact
that

25 that's a sample prepared from eight
different

722

1 Ilgren

2 types of chrysotile from eight different
mines in

3 Canada?

4 A. Yes, sir.

5 Q. And with respect to the
Quebec rating

6 system, you are aware that that sample is
what is

7 known as intermediate length, it is not a
long

8 chrysotile as defined by the Quebec rating
system?

9 A. I am not that familiar with
the

10 Quebec rating system. I am just looking
for the

11 word "intermediate" in the paper.

12 Q. I can represent to you that
it is not

13 in the paper which I found it in some of
the

14 references. The paper describes both the
Jeffrey

15 and UICC/B as long preparations, would you
agree

16 with me on that?

17 A. Yes, Jeffrey is long.

18 Q. And your paper says that.

19 So would you agree with me
that your

20 paper consistently calls the Coalinga
short fibre

21 and it calls these two Canadian asbestos
as long

22 fiber, correct?

23 A. Well, they are both, in
quotes,

24 "long" in relation to the Coalinga -- I
mean in a

25 microscopical sense the rating is a

723

1 Ilgren

2 microscopical -- my perception of it, the
Canadian

3 grading system is a microscopic system
used to

4 sort mining samples where we are talking
more

5 about things that are determined
microscopically

6 in percentages of fibers over 5 microns.

7 Q. Do any of the three papers
you have

8 published here which describe the Coalinga
fibre

9 as short and the Canadian fibre as long
provide

10 any microscopical data so the reader can
determine

11 if that fact is true?

12 A. Not in the first three parts.

13 Q. Again in this fourth paper
that may

14 or may not be published, that data may be

15 presented?

16 A. Yes, sir.

17 Q. Again I am reading in the
abstract.

18 It says, "This report," and I am
paraphrasing

19 here, "concerns rats exposed to three,"
and I am

20 quoting, "types of chrysotile."

21 Do you see that?

22 A. You are reading from the
abstract?

23 Q. Yes.

24 A. Yes.

25 Q. Now, would you agree with me
that in

724

1 Ilgren

2 fact there is only one type of chrysotile.

3 Chrysotile is chrysotile. There are three
types

4 of chrysotile. Are there --

5 MR. WILL: In what sense are
you

6 speaking, mineral?

7
Mineralogically.

MR. BROWNSON:

8 A. Mineralogically, I suppose
that is

9 true. Some people say there is a Jeffrey
type or

10 a Carey type or another type, but in the
sense you

11 are talking, I imagine that's the case.

12 Q. Again I am reading from the
abstract.

13 With respect to the Coalinga asbestos, you
stated

14 it "...is comprised of fibers that are
almost all

15 less than 5 microns in length."

16 Would you agree with me that
there is

17 no data presented in any of these three
papers

18 that supports the statement that almost
all

19 Coalinga fibers are less than 5 microns in
length?

20 A. Yes, sir.

21 Q. Now, let's again focus on
part 1 of

22 your paper. As I understand, what you
have done

23 here is you have taken some data generated
back in

24 the 1978 to 1980 time period, some of
which was

25 published by Dr. Kent Pinkerton in a Ph.D.
thesis

1 Ilgren

2 and you have gone back -- and very
recently you

3 have gone back and you have looked at that
and you

4 have drawn some conclusions from it, is
that fair

5 to say?

6 A. In small part.

7 Q. Well, is there any actual

8 experimentation that you did that forms
the basis

9 of this paper? In other words, let me put
it a

10 different way. Let's ask a different
question.

11 This paper draws conclusions
based

12 upon certain rat inhalation studies, would
you

13 agree with me on that?

14 A. Yes, sir.

15 Q. And those rat inhalation
studies were

16 conducted back in 1978 to 1980?

17 A. Yes, sir.

18 Q. And you personally had
nothing to do

19 with conducting those rat inhalation
studies,

20 isn't that correct?

21 A. That's correct, sir.

22
studies?

Q. And you didn't design those

23 A. That's correct.

24
any of

Q. And you didn't participate in

25 the experimental work that was done there?

726

1 Ilgren

2 A. That's correct.

3 Q. Who were the people who did
that, as

4 you understand it?

5 A. Dr. Gene McConnell, Dr. Jack
Moore,

6 Dr. Jim Crapo, Dr. Kent Pinkerton, Dr.
Connie

7 Stone, Dr. Finas Cavendar, Dr. Bernie
Atkins, Dr.

8 Arnold Brody, Dr. Dan McLaurin and others.

9 Q. Now, were any of these
scientists who

10 actually did these rat studies invited to
be

11 coauthors with you on your papers where
you are

12 talking about the rat studies?

13 A. Yes, sir.

14 Q. And which were those?

15 A. Dr. Kent Pinkerton, and as I
recall,

16 Dr. Gene McConnell.

17 Q. And why was it that neither

Dr.

18 Pinkerton nor Dr. McConnell appears as a
coauthor

19 on your paper, parts 1, 2 and 3?

20 A. They didn't want to coauthor
it.

21 Q. Why is that, do you know?

22 A. I don't know.

23 Q. Did either of them express
any doubt

24 to you as to whether this old data, which
now is

25 18 to 20 years old, could actually be used
to draw

727

1 Ilgren

2 the conclusions which you draw in these
three

3 papers?

4 A. No.

5 Q. Were either of the two asked
to read

6 and comment on these papers, parts 1, 2 or
3?

7 A. Yes.

8 Q. And did they do that?

9 A. Sorry, I answered a bit too
quickly.

10 Just reask the question.

11 Q. O.K. Were either of these
doctors,

12 Dr. McConnell or Dr. Pinkerton, asked to
read and

13 comment on the papers, parts 1, 2 and 3,
that you

14 have published?

15 A. On earlier drafts.

16 Q. And which of the two looked
at

17 earlier drafts or did both?

18 A. Dr. Pinkerton.

19 Q. How many drafts did Dr.
Pinkerton

20 look at, do you know?

21 A. I believe one.

22 Q. And do you know whether the
draft

23 that Dr. Pinkerton looked at was for part
1, 2 or

24 3 of your paper?

25 A. I believe it would have
incorporated

728

1 Ilgren

2 all three. I believe there were two
drafts which

3 would have incorporated the first two.
Sorry,

4 there were two drafts which would
incorporate

5 information in all three papers as I
recall.

6 Q. So it sounds like you had a

draft

7 prepared and then later on you split it up
into

8 three parts, would that be fair to say?

9 A. As I recall, that was the
case.

10 Q. And you think there might
have been

11 two drafts like that?

12 A. Yes, as I recall, yes.

13 Q. And is it your testimony then
that

14 one of those two drafts was sent to Dr.
Pinkerton

15 for his review?

16 A. Yes, sir.

17 Q. Do you know when this was
done?

18 A. I can't recall exactly when I
sent

19 them.

20 Q. And did he, as far as you
know, did

21 he read it?

22 A. As far as I recall.

23 Q. And did he discuss with you
any

24 comments or changes that he had?

25 A. Sure.

729

2 Q. Did he make any note of those
or put

3 them in any sort of written form?

4 A. No.

5 Q. Do you recall what comments
or

6 changes that Dr. Pinkerton had with
respect to

7 that draft he read?

8 A. I don't recall.

9 Q. Now, I am looking at the
introduction

10 of paper number 1, and in your second
sentence,

11 you talk about the Stanton hypothesis?

12 A. Yes, sir.

13 Q. Do you believe the Stanton
hypothesis

14 is correct when it says that short fibers
are less

15 harmful than long fibers?

16 A. Yes, sir.

17 Q. And do you believe the
experiments

18 that Stanton did upon which he bases that

19 hypothesis are valid experiments?

20 A. Could you qualify "valid"?

21 Q. Are they experiments that you

22 consider to be valid experiments?

23 A. What do you mean?

24 Q. Well, let me back up.

25 You say that you agree with
the

1 Ilgren

2 conclusions of the Stanton hypothesis,
correct?

3 A. Correct.

4 Q. Would you agree with me that
the

5 Stanton hypothesis is based upon some
animal

6 experiments that were done by Stanton?

7 A. Correct.

8 Q. And would you agree with me
that

9 those experiments are valid experiments
and

10 provided valid data on which you can reach
your

11 conclusion that this hypothesis is
correct?

12 A. Well, I think they are
insightful.

13 Intrapleural inoculations of different
types of

14 fiber, some of which is over and some of
which is

15 under 8 microns in length have shown
generally a

16 distinction between tumor production and
no tumor

17 production.

18 Q. So you, of course, are aware
that the

19 Stanton hypothesis, as you said, is based

upon

20 injection studies where rats were injected
with

21 different preparations of asbestos fibers
and

22 other types of fibers in different
lengths?

23 A. Yes, sir.

24 Q. And you have described those

25 experiments as insightful.

731

1 Ilgren

2 Would you agree with me that
in fact

3 the Stanton hypothesis is based entirely
upon

4 injecting rats with fibers. There are
inhalation

5 studies there?

6 A. I am aware.

7 MR. BROWNSON: Can we take a
very

8 short break?

9 (Recess taken)

10 BY MR. BROWNSON:

11 Q. Dr. Ilgren, your three papers
are

12 broken into first parts -- I'm sorry, into
three

13 parts, and the first part deals with
fibrogenesis,

14 is that correct?

15 A. Yes, sir.

16 Q. And the second part deals
with

17 tumors, tumourigenesis?

18 A. Yes, sir.

19 Q. And the third deals with

20 biopersistence?

21 A. Yes, sir.

22 Q. And again all three parts of
the

23 paper dealing with fibrogenesis,
tumourigenesis

24 and biopersistence are based upon these
rat

25 studies that we have described that were
done back

732

1 Ilgren

2 in '78 and '80, correct --

3 A. Yes.

4 Q. -- by Dr. Pinkerton and these
other

5 doctors?

6 A. Yes, sir.

7 Q. And these studies were at
that time

8 undertaken by a group called the NIEHS,
correct?

9 A. And others.

10 Q. Well, the NIEHS is what?

11 A. The National Institute for
12 Environmental Health Sciences.
13 Q. This was a government
organization,
14 correct?
15 A. Yes.
16 Q. Would you agree with me that
back at
17 that time in the late 1970's, this
government
18 organization, government laboratory, the
NIEHS,
19 was setting up an animal inhalation study
of
20 asbestos, correct?
21 A. I think so. It was more
complicated
22 than that.
23 Q. But whatever the big picture
was, I
24 guess one of the things they did was they
were
25 setting up a study to dose rats with
asbestos dust

733

1 Ilgren
2 in the air and have them inhale it and
then study
3 them, is that correct?
4 A. My only quibble with this is
the
5 National Toxicology Program in conjunction
with

6 the Medical Research Council of the United
7 Kingdom, and I believe there was also some
funding
8 from the EPA, were also involved in this
whole
9 study so --

10 Q. Well, the Medical Research
Council in
11 the United Kingdom was doing or had done
at that
12 time rat inhalation studies with asbestos,
13 correct?

14 A. I believe it was
contemporaneous with
15 this particular effort, the MRC, Medical
Research
16 Council study.

17 Q. Well, in short, in that era
in the
18 1970's, these two groups were kind of
working
19 together, they were going to do two animal
20 inhalation studies with the rats and
asbestos, one
21 over in England with this MRC group and
then one
22 here with the American government group
and see if
23 the results jibed. Would that be a fair
summary
24 of what was going on?

25 A. More or less.

1 Ilgren

2 Q. In any event, the American
group, if

3 we can use that term to kind of move this
4 deposition along -- can we use that term,
the

5 American group?

6 A. Yes, sir.

7 Q. The American group was this
alphabet

8 soup of government agencies. We had the
National

9 Toxicology Program, the NIEH and the EPA
gave some

10 funding, but this American government
group got

11 rolling and they were going to do these
inhalation

12 studies with rats and asbestos?

13 A. Yes, sir.

14 Q. And certain experiments were
done,

15 and we will get into that in a moment, and

16 inhalation chambers were set up and these
rats

17 were dosed with asbestos in these
chambers, and

18 they were examined at different periods of
time.

19 Would that be fair to say?

20 A. Yes, sir.

21 Q. And then the experiment
ceased and

22 some of it was published and some of it
wasn't,

23 some of the results, would that be fair to
say?

24 A. Yes.

25 Q. And Dr. Kent Pinkerton, one
of the

735

1 Ilgren

2 animal inhalation toxicologists working on
this,

3 used some of this data for his Ph.D.
thesis at

4 Duke University, correct?

5 A. Yes, sir.

6 Q. You cited that on a number of

7 occasions in your paper, right?

8 A. Yes, sir.

9 Q. And Dr. Pinkerton was awarded
a Ph.D.

10 at Duke, correct?

11 A. Yes, sir.

12 Q. And now he has gone on and he
has

13 moved to California where he is a
scientist in the

14 University of California system, is that
fair to

15 say?

16 A. Yes, sir.

17 Q. Now, you have cited at a
number of

18 places in your paper Dr. Pinkerton's
thesis which

19 I understand that you had obtained and
read prior

20 to publishing your paper, correct?

21 A. Yes, sir.

22 Q. And I have obtained a copy
here of

23 his thesis and I don't know if you have
got a copy

24 there. We can look at my copy if we need
to.

25 At page 23, he is describing
the

736

1 Ilgren

2 Coalinga asbestos fiber and these two
Canadian

3 asbestos fibers the Jeffrey fibre and the
UICC/B.

4 Do you remember his
description of

5 the three types of --

6 A. If you read it.

7 Q. O.K. Well, let me read it to
you.

8 He says, "For both the Jeffrey and the
UICC/B

9 chrysotiles, approximately 75 percent of
the

10 combined fibers and fiber clusters were
less than

11 5 microns in length while less than 52

percent of

12 the combined fibers and fiber clusters
from the

13 Coalinga chrysotile were less than 5
microns in

14 length."

15 Do you recall that?

16 A. Why don't you show me the
paper.

17 Q. I can show you the
reference. It is

18 page 23 of his thesis, and I have
helpfully

19 highlighted it in yellow.

20 A. What's the chapter heading?

21 Q. This is a double-sided thing
so

22 let's --

23 A. This chapter forms the basis
of

24 Pinkerton, et al. 1983, within which
Pinkerton

25 generally concludes that there is no
significant

737

1 Ilgren

2 difference in the percentage of fibers
greater

3 than 5 microns long in an aerosol.

4 Sorry, I didn't mean to be
unhelpful

5 in mixing it up.

6 Q. So you agree with me that Dr.
7 Pinkerton concluded in his Ph.D. thesis
and later
8 published the fact that a significant
fraction of
9 the Coalinga asbestos was greater than 5
microns
10 in length?
11 A. In air, yes.
12 Q. And of course it is fiber in
air that
13 people and rats breathe, correct?
14 A. Yes, sir.
15 Q. And the data that we have
just looked
16 at in his thesis was, as you noted,
presented in
17 chapter 2 of his Ph.D. thesis?
18 A. Yes, sir.
19 Q. And as you have also noted
and told
20 us, that was later published by Dr.
Pinkerton and
21 other authors and assigned to the paper,
correct?
22 A. Yes, sir.
23 Q. So when you said in the
abstract of
24 part 1 of your paper that the asbestos
from
25 Coalinga, California is comprised of
fibers that

1 Ilgren

2 are almost all less than 5 microns in
length, you

3 would agree with me that that statement is
4 contrary to what Dr. Pinkerton reports in
his
5 thesis?

6 A. Dr. Pinkerton also discusses
the

7 length found upon indirect examination and
more or

8 less concludes the same on the basis of
that mode

9 of preparation.

10 Q. Well, yes, he concludes again
that

11 they are not almost all less than 5
microns in

12 length. About half of them are over 5
microns.

13 A. In air.

14 Q. Well, I read the quotation
from Dr.

15 Pinkerton's thesis correctly, did I not?

16 A. Yes, sir.

17 Q. He concluded that when
Coalinga

18 asbestos forms dust in the air, half the
fibers,

19 more or less, are greater than 5 microns
in

20 length?

21 A. Yes.

22 Q. Are you saying if you put

those

23 fibers in water, their length differs?

24 A. Yes, sir.

25 Q. Why is that?

739

1 Ilgren

2 A. Because they are weakly
bound.

3 Q. Is there some data that Dr.
Pinkerton

4 has published which supports that
conclusion?

5 A. Yes, sir.

6 Q. Where is that?

7 A. Discussion of the same paper.

8 Q. In the Ph.D. thesis?

9 A. It might be in the thesis,
but it is

10 certainly in the paper.

11 Q. But at least in the air, the
fibers

12 in the air, you will agree with me that
Pinkerton

13 says at least half are greater than 5
microns in

14 length?

15 A. Yes, sir.

16 Q. Would you agree with me that
despite

17 quoting from Dr. Pinkerton's papers and
Dr.

18 Pinkerton's thesis in your own paper you
do not

19 present that data anywhere in your paper,
do you?

20 A. No, sir.

21 Q. And when you say in the
abstract that

22 the Coalinga fibers comprise fibers that
are

23 almost all less than 5 microns in length,
you

24 don't make any distinction about whether
it is in

25 air or in water, do you?

740

1 Ilgren

2 A. No, sir.

3 Q. And also I note in Dr.
Pinkerton's

4 thesis, he says that there are a number of

5 Coalinga fibers that are greater than 100
microns

6 in length. Did you see that?

7 A. Yes, sir.

8 Q. You are aware of that fact,
are you

9 not?

10 A. Yes, sir.

11 MR. WILL: What fact? I
object to

12 the form of the question that
Pinkerton

13 said or the fact that there are
fibers

14 greater than 100 microns?

15 Q. That Dr. Pinkerton has
reported that

16 a number of, certain fraction of the
Coalinga

17 asbestos is greater than 100 microns in
length?

18 A. Yes, sir, I seem to recall
that.

19 Q. And again that's not set
forth in

20 your paper, is it?

21 A. Not here.

22 Q. Now, again on part 1 of your
paper,

23 you have a section entitled "Materials and
24 Methods." Do you see that? Page 265?

25 A. Yes, sir.

741

1 Ilgren

2 study Q. And you write, "The present

3 originated as part of a large joint
investigation

4 undertaken by the NIEHS in the United
States and

5 the Medical Research Council
Pneumoconiosis Unit

6 in the United Kingdom to compare the results of

7 similar inhalation studies carried out at

two

8 different locations under nearly identical
9 conditions." Is that right?

10 A. Yes, sir.

11 Q. That's what we talked about a
minute

12 ago in the late '70s, there were these two
big rat

13 inhalation studies?

14 A. 1975.

15 Q. When you speak of the present
study,

16 are you talking about your paper here?

17 A. Yes, sir.

18 Q. Would you agree with me that
your

19 work here was not part of the NIEHS study
or this

20 Medical Research Council study in England,
this

21 was some later analysis you have done
yourself?

22 A. In that sense it is correct.

23 Q. And to the extent that first
sentence

24 of the materials and methods may imply to
the

25 reader that you are somehow affiliated
with the

742

1 Ilgren

2 NIEHS studies or the MRC studies, that

would not

3 be correct, would it?

4 A. As having been involved in
the

5 design, for example, or the execution of
the

6 study, that would be correct.

7 Q. And it is also correct that
as far as

8 doing anything, you are not affiliated
with those

9 two organizations?

10 A. I have no affiliation with
either the

11 NIEHS or the MRC.

12 Q. And neither of those groups
has said

13 to you: Dr. Ilgren, we did these studies
back in

14 the late 1970's. Would you now go back
and do

15 some further work in connection with that,
did

16 they?

17 A. Kent Pinkerton asked me to
help him

18 write these data up.

19 Q. Well, then why didn't he
appear as an

20 author of this if he asked you to do it?

21 A. I don't know. He didn't want
to.

22 Q. But Kent Pinkerton is no
longer

23 affiliated with either of those

organizations, he

24 is out in California, isn't he?

25 A. I don't know what his
affiliation is

743

1 Ilgren

2 with the NIEHS.

3 Q. Neither the NIEHS nor the
Medical

4 Research Council in England has asked you,
Dr.

5 Ilgren, to do any study or work or
analysis of

6 these old rat studies, have they?

7 A. That's correct.

8 Q. And again in the "Materials
and

9 Methods," if you go down later in the
first

10 paragraph, you talk about what you call
the "long"

11 Jeffrey fibre and the "short" Coalinga
fibre,

12 correct?

13 A. Yes, sir.

14 Q. And you present no data in
this paper

15 showing that the Jeffrey fiber is long and

16 Coalinga fibre is short, do you?

17 A. That's correct.

18 Q. If we didn't go back to Dr.

19 Pinkerton's thesis, which you cite in your
paper,

20 the quote which you just read, Dr.
Pinkerton's

21 dose, there is more long fiber in the
Coalinga

22 than there is in the Jeffrey, isn't that
correct?

23 A. With the qualifications that
we just

24 discussed.

25 Q. Those qualifications are
measurements

744

1 Ilgren

2 in air, right? As opposed to measurements
in

3 water?

4 A. They refer to qualifications
in air

5 and water, that's correct.

6 Q. And again going back to these
rat

7 studies that form the basis of your papers
here,

8 rats are breathing asbestos, they are not
drinking

9 it, correct?

10 A. That's correct.

11 Q. Then you continue, under
"Materials

12 and Methods," now I am in the second
paragraph,

13 and I am down on the second sentence of
that

14 paragraph, you write, "None of the
Coalinga and

15 UICC/B morphometry data have been
published in

16 scientific, peer-reviewed journals though
some

17 have appeared in a thesis published by
Pinkerton,"

18 correct?

19 A. Correct.

20 Q. I have read that correctly?

21 A. Yes.

22 Q. And the thesis published by
Pinkerton

23 was the one we just looked at, his Ph.D.
thesis

24 published in 1982?

25 A. Yes.

745

1 Ilgren

2 Q. Then you say, "And in several
small

3 abstracts." Then you list a bunch of
abstracts,

4 correct?

5 A. Correct.

6 Q. Now, you cite those abstracts
in your

7 paper, and I just wanted to look at a
couple of

8 them. One of them you cite is an abstract
in the

9 American Review of Respiratory Disease,
correct?

10 A. Yes, sir.

11 Q. And you cite that at note 33
of your

12 first paper, so I want to turn to note 33?

13 A. Note is the same as
reference?

14 Q. Reference No. 33, correct?

15 A. O.K. thank you.

16 Q. I'm sorry, let me look.

17 Reference 33 in your paper is
the

18 Pinkerton thesis?

19 A. Yes, sir.

20 Q. And then you write, "And in
several

21 small abstracts by Crapo et al." reference
34.

22 Do you see that?

23 A. Yes, sir.

24 Q. "Pinkerton et al.,"
references 35 and

25 36?

746

1 Ilgren

2 A. Yes, sir.

3 Q. "And O'Neil et al.,"
reference 37?

4 A. Yes, sir.

5 Q. So if we now, to pick some of
those,

6 went, for example, to the Pinkerton one
you cite

7 at reference 35, this is the one in
American

8 Review of Respiratory Disease, correct?

9 A. Yes, sir.

10 Q. In 1981, in volume 123 part
-- or

11 number 4, part 2, right?

12 A. If you say so, but as I
recall,

13 that's the part.

14 Q. I am just reading from your
reference

15 35.

16 A. I don't have a part. I just
have

17 volume 123, page 21.

18 Q. Now, would you agree with me
that the

19 conclusion that you draw and report in
part 1 of

20 your paper is that Coalinga asbestos is
not

21 fibrogenetic?

22 A. Fibrogenic.

23 Q. Fibrogenic, right.

24 Does not cause fibrosis in
rats?

25 A. Yes, sir.

1 Ilgren

2 Q. Would you agree with me if
you look

3 in this abstract of the American Review of
4 Respiratory Disease published by Dr.
Pinkerton

5 Pratt, Brody and Crapo, they do not say
that, do

6 they?

7 A. May I see your abstract, sir?

8 Q. First of all, have you read
this

9 before?

10 A. I believe so, yes. Thank
you.

11 Q. My question will be can you
show me

12 where they say that Coalinga asbestos does
not

13 cause fibrosis in rats?

14 MR. WILL: That isn't what
the

15 article is cited for. To that
extent, I

16 would answer to your question, is
that what

17 you are asking him or a different
question?

18 A. Your question to me is where
in this

19 article do they say Coalinga does not
cause

20 fibrosis?

21 Q. Right.

22 A. It's not stated in this.

23 Q. And in fact, that's not
stated in Dr.

24 Pinkerton's thesis either, is it? He
doesn't come

25 out and say Coalinga does not cause
fibrosis in

748

1 Ilgren

2 the rats?

3 A. No, sir.

4 Q. In fact, if we look at this
article

5 in the American Review of Respiratory
Disease that

6 you cite at reference 35 by Dr. Pinkerton
and

7 these other doctors, Pratt, Brody and
Crapo, they

8 say after three months exposure the rats,
if they

9 are exposed, that after three months'
exposure,

10 all of the fibers including the Coalinga
or the

11 Coalinga cause injury to the epithelium
and the

12 interstitia, isn't that what they report?

13 A. Yes, sir.

14 MR. GERSON: We need to take
a

15 five-minute break.

16 (Recess taken)

17 BY MR. BROWNSON:

18 Q. Dr. Ilgren, another reference
that

19 you cite of another article published
about this

20 rat study which forms the basis of your
paper is

21 another article in the American Review of

22 Respiratory Disease which is your
reference 36,

23 right?

24 A. Yes.

25 Q. And that's again by Dr.
Pinkerton and

749

1 Ilgren

2 Dr. Pratt and Dr. Crapo of Duke
University, right?

3 A. Yes, sir.

4 Q. And you reference that as a
paper in

5 1981, although I notice it was actually
published

6 in 1980. Is that just a typographical
error in

7 your reference?

8 A. Probably.

9 Q. We are talking about the same
paper

10 here, aren't we?

11 A. Let me just double-check 36
against

12 your -- yes, sir, it is probably a
typographical

13 error.

14 Q. And in that paper which is
reference

15 36 of your part 1, these authors again say
that

16 after three months exposure, the patterns
of

17 injury in the rat lungs were similar in
both of

18 the treatment groups, and here they are
talking

19 about the Coalinga and the UICC/B,
correct?

20 A. If I could just see that
quickly.

21 Thank you.

22 The NIEHS intermediate is
actually

23 the Jeffrey fibre, but that's what it
says.

24 Q. So what we are looking at
here --

25 thank you for that clarification -- is the

750

1 Ilgren

2 Coalinga and the Jeffrey fibre?

3 A. Yes, sir.

4 Q. Now, I note again what is
described,

5 what you have told us now is the Jeffrey
fibre, is

6 again described as intermediate range
chrysotile

7 fibers?

8 A. They refer to Jeffrey fibre

9 repeatedly as the intermediate fiber, and
I find

10 this very confusing myself, where the
intermediate

11 size preparation is the UICC/B, so there
is some

12 crossing over of terminology.

13 Q. Right.

14 A. But the NIEHS intermediate is
the

15 Jeffrey fibre.

16 Q. You would agree with me that
the

17 NIEHS does not classify the Jeffrey as
long fiber,

18 they call it intermediate?

19 A. I don't think it is
referenced to

20 length, but again I don't know why they
call it

21 intermediate.

22 Q. Then I am looking at your
note 37 in

23 your paper which is another published
abstract by

24 these researchers of the rat study upon
which you

25 base your paper, and this one again was
published

1 Ilgren

2 in the American Review of Respiratory
Disease in

3 1981, correct?

4 A. Yes, sir.

5 Q. And this again is by Drs.
O'Neil, Dr.

6 Ken Pinkerton and Dr. J.D. Crapo, and it
is

7 entitled "Morphologic" -- I'm sorry it is

8 entitled, "Lung Volume Changes in Rats
Exposed to

9 Chrysotile Asbestos," correct?

10 A. Yes, sir.

11 Q. And I am looking now in that
paper

12 and I am reading just below the middle
table they

13 write, "Interstitial fibrosis was seen

14 histologically in all exposed animals at
one year

15 and increased in severity during the year
in air,"

16 correct?

17 A. If that's what it says.

18 MR. WILL: Is that what it
says?

19 MR. BROWNSON: Again I
highlighted

20 that in the yellow.

21 A. That's what it says.

22 Q. So if I can summarize here,
the

23 original or a group of the original
scientists who

24 exposed these rats back in this inhalation
study

25 in 1978 to 1980, have published and we
have now

752

1 Ilgren

2 looked at a Ph.D. thesis and three
abstracts, all

3 four of which you have cited as references
in your

4 paper, part 1, correct?

5 A. Yes, sir.

6 Q. And would you agree with me
that as

7 we have just seen in all three abstracts,
they say

8 that all of the three types of asbestos
including

9 the Coalinga fibre cause changes in the
lungs of

10 the rats?

11 A. Yes, sir.

12 Q. Now, I am continuing in your
paper,

13 part 1 in "Materials and Methods," I am
now down

14 to the third paragraph?

15 A. Yes, sir.

16 Q. And you report or you write,
"The
17 records, histology slides, paraffin blocks
and wet
18 tissues of the animals on lifetime test
were
19 located in November 1995 at the NTP
archives by
20 Drs. Sils, Hamlin and Bridges as
inventoried
21 documents." Right?
22 A. Yes, sir.
23 Q. Then you continue, "All of
these were
24 reviewed solely by the senior author" --
that's
25 you, right?

753

1 Ilgren
2 A. Yes, sir.
3 Q. -- "Aside from 45 cases that
were
4 studied in conjunction with Dr. J.C.
Wagner,"
5 correct?
6 A. Yes, sir.
7 Q. Now, I want to ask you about
that.
8 A. Yes, sir.
9 Q. I will try to move this along
here,
10 but in a nutshell, are you telling us

there or

11 writing there that what you did is you
managed to

12 find some of these old materials from the
rat

13 study in an archive, at the NTP archive,
and you

14 managed to find them and locate them and
then you

15 looked at some of them, would that be fair
to say?

16 A. Yes, sir.

17 Q. You did this beginning in
November of

18 1995, that's when you found them and then

19 thereafter you looked at them?

20 A. Yes, sir.

21 Q. And then you published this
series of

22 three papers based upon your review of
that

23 material?

24 A. Yes, sir.

25 Q. Now, what I am not clear
about is you

754

1 Ilgren

2 talk about 45 cases that were studied in

3 conjunction with Dr. J.C. Wagner. When
you found

4 these in November of 1995, at that point
did you

5 give half of them to Dr. Wagner or was
this some

6 work he had done like before that or how
did that

7 work?

8 A. I asked Dr. Wagner if he
would come

9 to the United States in April 1996 to
review some

10 of these materials with me.

11 Q. So after you located them in
November

12 of 1995, you then asked Dr. Wagner -- is
it Wagner

13 or Dr. Vagner -- how do we pronounce that?

14 A. I don't know.

15 Q. It is the same on the paper
anyway.

16 You asked Dr. Wagner to come
over to

17 the United States and look at 45 of these
rat

18 slides?

19 A. A subset of the slides.

20 MR. WILL: It is Chris.

21 Q. And did he do that?

22 A. Yes, sir.

23 Q. And he did that, he came over
in the

24 spring of 1996?

25 A. Yes, sir.

1 Ilgren

2 Q. And did you and he then sit
down and

3 look at some of these materials?

4 A. Yes, sir.

5 Q. Where was that done?

6 A. At the NTP.

7 Q. That's down in North
Carolina?

8 A. Yes, sir.

9 Q. At their archives there?

10 A. Yes, sir.

11 Q. Did you find these materials
to be

12 well organized and in good order, or did
it take

13 some real digging and work to get them?

14 A. That's two questions.

15 Q. O.K. Well, it is two
questions,

16 right.

17 Were they easy to find?

18 A. No.

19 Q. They were hard to find?

20 A. Yes.

21 Q. But once you found them, did
they

22 appear to be complete and in good order?

23 A. Yes.

24 Q. And you and Dr. Wagner then
sat there

25 at the archive and then looked at them,
correct?

756

1 Ilgren

2 A. Yes.

3 Q. What was it exactly that Dr.
Wagner

4 did with these 45 things? I mean strike
that.

5 Let's back up.

6 What were these 45 things
that he

7 looked at, were they slides?

8 A. They were the slides
representative

9 of 45 animals.

10 Q. And is there any reference in
your

11 paper here in any of the three parts of
the paper

12 as to which 45 rats were examined by Dr.
Wagner?

13 A. No.

14 Q. And we are going -- in a
minute I

15 want to go through some of your tables and
data

16 that you present where you talk about all
these

17 different rats. But when I read these
papers, I

18 couldn't tell, you know, which of those
had --

19 were those examined by Dr. Wagner and
which of
20 those were examined just by you, and would
I be
21 correct in saying there is nothing here
that
22 specifically will tell us that?
23 A. Not in these papers, no.
24 Q. Was there any laboratory
equipment
25 made available to you and Dr. Wagner at
the

757

1 Ilgren
2 archives to do this or did you just look
at them
3 by eyes or how did you look at that?
4 A. They gave us a double-headed
5 microscope. They said if we wanted to
look at any
6 of the tissues, we could do so under a
covered
7 hood.
8 Q. So you could look at them
through the
9 microscope, but you had to use the hood,
is that
10 right?
11 A. No. We had an ordinary light
12 microscope, and the slides were made
available and
13 so we just went through, say, for each
animal just

14 for an example there might have been 30 or
40

15 histology slides, so we would go through
those and

16 if we had any questions about wet tissues
or if we

17 had any questions about, say, paraffin
blocks,

18 they would find for us, but we didn't have
to do

19 that. We just looked at the histology
slides.

20 Q. Through the microscope?

21 A. Yes, sir.

22 Q. How long did that take?

23 A. About -- as I recall 8-1/2, 9
hours.

24 Q. And on that trip in the
spring of

25 1996, where did Dr. Wagner come from,
England?

758

1 Ilgren

2 A. He came from England.

3 Q. At your request?

4 A. At my request.

5 Q. Was that specifically to come
and

6 look at these slides that you have found
in the

7 archive?

8 A. Yes.

9 Q. And again just so we are
clear, these

10 are slides of these rats that had been
exposed to

11 the three types of airborne asbestos, some
18

12 years before?

13 A. Yes, sir.

14 Q. And on that particular trip,
did Dr.

15 Wagner look at anything else or do
anything else

16 in connection with the work which resulted
in

17 these three papers?

18 A. We spent several days going
over the

19 various data sheets and information that I
had

20 received from the archives just talking
about

21 study overall, talking about how in his
opinion it

22 originated and who was involved.

23 Q. And was Dr. Wagner ever asked
to be a

24 coauthor on any of your three papers?

25 A. Yes.

759

1 Ilgren

2 Q. But I see he is not. Why
didn't he

3 do that?

4 A. He didn't want to.

5 Q. Do you know why?

6 A. No.

7 Q. Who paid for Dr. Wagner to
come

8 over -- fly over from England and spend
several

9 days in North Carolina looking at this
stuff?

10 A. I did.

11 Q. Did you pay that out of your
own

12 pocket or did you get reimbursed by
anybody for

13 that?

14 A. Out of my own pocket.

15 Q. So you didn't submit any of
those

16 bills or travel expenses or vouchers or
anything

17 to Union Carbide or their lawyers or
anybody?

18 A. No, sir.

19 Q. Now, before we go on to ask
you about

20 the rats, let me back you up to page 265,
part 1

21 of your paper.

22 A. Yes, sir.

23 Q. And on the second full
paragraph on

24 that page, you say, "Aside from the study
by Davis

25 and Jones, the most recent short fibre
chrysotile

760

1 Ilgren

2 inhalation investigation was conducted at
NIOSH,"

3 which is all capital letters, "by Platek
et al."

4 Do you see that?

5 A. Yes, sir.

6 Q. Now, in reviewing the
literature and

7 even references that you cite, I note that
Dr.

8 Muhler -- Muhle in Germany had a study
published

9 in 1987, which was an animal inhalation
study,

10 chrysotile. Are you aware of that?

11 A. Yes, sir.

12 Q. And isn't it correct, then,
that the

13 most recent study was really Muhle's study
and not

14 this one by Platek in '85?

15 A. As published, my explanation
is that

16 the NIOSH work as cited in this paper, it
has been

17 continuing. Platek published a rodent
phase, but

18 also a sort of monkey phase that is being

19 completed now. So I suppose I was

thinking that

20 that work is still actually ongoing, the
Platek

21 work, but as cited, you are right, you are

22 correct.

23 Q. And again in that same
paragraph, the

24 next sentence said, "These workers exposed
rats

25 and monkeys via inhalation to a highly
purified

761

1 Ilgren

2 short fibre chrysotile sample," right?

3 A. Yes, sir.

4 Q. When you say "highly
purified," what

5 do you mean by that?

6 A. I suppose a sample that was
very

7 short, highly ground down to a short
length.

8 Q. So in other words, it had
been

9 milled?

10 A. Yes, sir.

11 Q. Because in fact if you look
at

12 Platek's paper, you will see they used a
mill,

13 they used a ball mill?

14 A. Yes.

15 Q. They didn't purify it. They
just

16 milled it in a -- ground it up?

17 A. Yes, sir.

18 Q. So to the extent your term
"highly

19 purified" may imply that it was pure
chrysotil

20 with no impurities or no contaminants,
that's not

21 correct?

22 A. That's correct.

23 Q. Now, let's continue then in
your

24 paper in the "Materials and Methods." Now
I am on

25 page 266, and you have the heading
"Animals."

762

1 Ilgren

2 Do you see that?

3 A. Yes, sir.

4 Q. Here is where I did not find
your

5 paper to be clear. I cannot figure out
how many

6 animals are involved here, and I would
like to run

7 through with you and take a little time to
try to

8 figure that out, O.K.?

9 A. Yes, sir.

10 Q. You begin by saying "Four
hundred

11 5-week old specific pathogen-free (SPF)
male and

12 female Fischer 344 rats" were involved in
this

13 experiment, correct?

14 A. Yes, sir.

15 Q. And again, just so we are
clear here,

16 you did not select these rats or have
anything to

17 do with the rats or raise them or do any
of these

18 experiments. You are talking about this
old

19 experiment that Pinkerton published his
thesis on?

20 A. Yes, sir.

21 Q. Then you continue by saying
three

22 hundred and thirty of these form the basis
of this

23 study."

24 And by that, do you mean your
paper?

25 A. Yes, sir.

763

1 Ilgren

2 Q. Now, my question is how did
we go

3 from 400 rats to 330? Was it that when
you went

4 to the archive you could find material on
330 of

5 the rats or did you find all 400, or how
did that

6 come about?

7 A. There was an additional group
to

8 which animals were exposed to a JM 100
microglass

9 fiber preparation. And that group is not

10 discussed in my paper. But it would be
amongst

11 the 400 animals. Is that clear?

12 Q. I believe so.

13 You are saying back in this
study of

14 the rats, some of the 400 rats were
exposed to a

15 Johns Manville asbestos?

16 A. No, a JM 100 microglass, a
glass

17 fiber.

18 Q. A glass fiber?

19 A. Right. There was untreated
control,

20 Coalinga, UICC/B, Jeffrey and JM 100
fiberglass in

21 the original study. So the discrepancy
between

22 400 and 330 pertains to the absence of the
JM 100

23 group.

24 Q. Now, let me just start then
with the

25 controls.

764

1 Ilgren

2 Why was it that you did not
examine

3 or look at the JM glass fiber treated
rats?

4 A. I just didn't have time.

5 Q. So you did not look at them,
and they

6 did not form a part of this paper and you
still

7 haven't looked at them, would that be fair
to say,

8 you set those aside?

9 A. Yes.

10 Q. Do you remember how many of
those

11 there were?

12 A. I believe there are 70 or 80
cases.

13 Split male/female, half and half, more or
less.

14 Q. Well, you write in your paper
330 of

15 the rats formed the basis of your work, so
it must

16 mean there were 70 of them, would that be
fair to

17 say?

18 A. About 70.

19 Q. So that left 330 then that
were

20 exposed -- that were either control rats
or were

21 exposed to the three types of asbestos,
Coalinga

22 UICC/B and Jeffrey?

23 A. Yes.

24 Q. Now, let me continue under
"Animals"

25 because I need some -- I need to be
careful here.

765

1 Ilgren

2 The next sentence you write,
"Upon

3 receipt at the NIEHS, 5 animals of each
sex in

4 each exposure group of the 360 rats were
randomly

5 selected and killed for morphological
study."

6 Do you see that?

7 A. Yes, sir.

8 Q. Now, my first question is you
say of

9 the 360. See, now earlier you said there
were

10 400, but now we are down to 360, and I am

11 wondering what the difference is there?

12 A. That 360 may need to be 330.
So

13 there may be an error.

14 Q. So is that the same 330 as

the 330

15 that you looked at, is that what we are
talking

16 about?

17 A. Yes, yes.

18 Q. So that is simply an error.
What

19 that should read is 330 rats were randomly
20 selected or five of each sex in each
exposure

21 group out of 330 were randomly selected,
right?

22 A. I believe that's true.

23 Q. So --

24 A. If you look for clarification
in the

25 legend at table 1 and you will see in the
second

766

1 Ilgren

2 line at "Time zero," five animals were
sacrificed

3 for morphological analysis. As the
animals

4 arrived, five were taken out of each group
and

5 removed just for baseline studies, and
when I say

6 upon receipt at the NIEHS, five animals of
each

7 were removed, that's what it refers to, is
as the

8 animals came in. They may not have been

of each

9 sex.

10 Q. That was my next question.

11 You report in the text under

12 "Animals" that upon receipt back at the
NIEHS

13 study in the 1970's five animals of each
sex in

14 each exposure group were selected and
killed for

15 morphological study, and then as you note
up above

16 at note 1 of your table 1, you say five
animals

17 were sacrificed.

18 So my question is this: Was
it five

19 that were killed at the outset from each
group or

20 was it ten?

21 A. I think the number is --
numbers work

22 out in the following way: I know I have
just told

23 you that the 360 should be 330, but it may
be the

24 other way around. If upon receipt, 360
animals

25 arrived and at time zero 40 animals were
taken out

767

1 Ilgren

2 to leave 320 animals divided four ways,

one for

3 untreated controls, one for Coalinga, one
for

4 UICC/B and one for Jeffrey, 10 of each
were

5 removed, meaning 4 times 10 are 40. This
leaves

6 one with 320 animals remaining, all in
test or 40

7 per -- or 40 in each group after the five
were

8 removed.

9 Q. Now, do you know that that's
what

10 occurred or are you just surmising that by
looking

11 at this?

12 A. That's -- that's what I know
to have

13 occurred in the sense that 40 animals were
on test

14 at the time zero, and from those four
animals were

15 removed at each of the subsequent time
points

16 being 3, 12 and 24 months, so in table 1,
if you

17 see at time zero, there is 45, to take off
five,

18 that leaves 40. At three months there is
36 from

19 which to take off 4, giving 32; and then
at

20 time -- at point 12 months, they take off
four to

21 give 28.

22 Q. Before we get to the actual
23 inhalation and how they killed them at
various
24 lengths of time, I want to go back and
start with
25 what they started with because I am still
not

768

1 Ilgren
2 clear.
3 Are you saying that at this
initial
4 killing or sacrifice, when they started,
they took
5 a total of 10 rats -- well, strike that.
6 I will ask you the question.
400
7 rats arrive at the NIEHS sometime in the
late
8 1970's?

9 A. Yes, sir.
10 Q. Then you describe that a
bunch were
11 killed right up front for morphological
testing?
12 A. It would appear 40 were taken
out for
13 JM 100, and then a set were taken out for
14 morphological baseline testing.
15 Q. So we start with 400 and some
were
16 then to be used with the JM 100 fiberglass?

17 A. Yes, sir.

18 Q. You are saying you think that
might

19 have been 40 but earlier you had said 70?

20 A. No, I was mistaken.

21 Q. O.K. So we start with 400.
40 then

22 were to be exposed to the JM fiberglass?

23 A. Yes, sir.

24 Q. That leaves us with 360, and
now you

25 are telling us of those 360, then 40 were
killed

769

1 Ilgren

2 right up front?

3 A. Yes, sir.

4 Q. And that would be 10 of each
sex?

5 A. Five of each sex.

6 Q. Five of each sex?

7 A. In four groups. So that's 10
per

8 so-called group, a group being either a
treatment

9 group or the untreated control.

10 Q. And at this point, they
haven't been

11 treated with any asbestos, yet they have
just been

12 divided into these groups?

13 A. Yes, sir.

14 Q. And they killed some to get
some kind

15 of a baseline before they start the
experiment, is

16 that fair to say?

17 A. Yes, sir.

18 Q. So they kill 40 and that
leaves us

19 with 320 rats, right?

20 A. Yes, sir.

21 Q. And those 320 rats then, as I
read

22 your paper, were then put into four
groups,

23 untreated controls, Coalinga exposed,
UICC/B

24 exposed and Jeffrey exposed?

25 A. Yes, sir.

770

1 Ilgren

2 Q. 320 divided by 4 is 80, so
did they

3 put 80 in each?

4 A. Yes, sir. 40 male and 40
female.

5 Q. Now, let me move back up
earlier in

6 the paragraph and again focus on your
statement

7 that 330 formed the basis of your study.
In other

8 words, you looked at 330 of them.

9 My question is: If we start
with the

10 400, we pull out the 40 JM 100 exposed
animals,

11 then we have the 40 that were killed up
front,

12 leaving 320. And then of the 320, they
were

13 divided into four groups of 80.

14 Where does the 330 that you
looked at

15 factor into that equation?

16 A. I think the 330 then would be
320.

17 Q. So when you said 330 in your
paper,

18 you meant 320?

19 A. I believe so, yes.

20 Q. Now, when you say you believe
so, do

21 you know that or are you just again
surmising or

22 what?

23 A. Well, to the best of my
analytical

24 ability discussing it with you and from

25 recollection of what's written in the
relevant

771

1 Ilgren

2 papers where these data appear such as
Pinkerton's

3 thesis, Pinkerton et al. 82, the
Becton-Dickinson

4 documents and other documents, it is what
I would

5 conclude.

6 Q. So can we then state that in
your

7 paper when you say you looked at 330,
that's an

8 error, you really looked at 320?

9 A. I believe so, sir, yes.

10 Q. Now, we are down to the 320
rats that

11 formed a part of the experiment, and we
have got

12 them divided into four groups of 80, 40
males and

13 40 females?

14 A. Yes, sir.

15 Q. Then as I understand this
experiment

16 that was done again at the NIEHS group
back in the

17 70's those animals were then exposed to
asbestos,

18 the controls weren't 80 were not -- 240
were

19 exposed?

20 A. Yes, sir.

21 Q. Now, of the 80 controls, I
saw

22 reference in your paper to the term "sham

23 control," and I was a little confused by
that.

19 Q. 40 of each sex exposed to
each of the
20 three types?
21 A. Yes, sir.
22 Q. And then based upon this
information
23 that you found in the archive and what you
24 reviewed, et cetera, you saw that at
different
25 intervals of 3, 12 and 24 months some were
killed

773

1 Ilgren
2 out of each group, and you report that in
your
3 table 1?
4 A. Yes, sir.
5 Q. Now, is table 1 a table that
you
6 prepared and designed or did you take that
from
7 some of the earlier published work?
8 A. No, I designed that table.
9 Q. So table 1 -- well, let me do
it.
10 This will be faster.
11 Why don't you describe for us
what
12 table 1 shows. Run through that and tell
us what
13 that is.
14 A. Table 1 indicates the

so-called

15 design of the study with reference to the
number
16 of animals found at each time point, so at
time
17 zero with 45 animals of each sex in each
group,
18 that makes 90 per group or 4 times 90 is
360,
19 hence the 360.

20 At time zero, looking just at
one sex

21 in any one group, five animals are removed
for the

22 baseline sacrifice to be studied
morphologically

23 leaving 40 animals to go on to this
lifetime test.

24 Q. Right.

25 A. So that at time zero, four
additional

774

1 Ilgren

2 animals are taken off to be studied using
the

3 electron microscope for morphometrical
analysis,

4 and then the same is done at 3, 12 and 24
months,

5 so that absent the animals taken off for
this

6 baseline morphological study, leaving 40,
as you

7 take off 4 at time zero, you end up at
three

8 months with 36.

9 And then again if you take 4
more out

10 of that, for morphometrical study, you end
up with

11 32 by 12 months.

12 And again if you take 4 more
at that

13 point, you end up in theory of 24 months
with 28

14 animals of each sex on a lifetime test.

15 Q. O.K. Now, did your review of
these

16 materials in the archive indicate that
that is

17 actually what occurred?

18 A. I did find -- when I actually
looked

19 at the data at the archive, one or two
so-called

20 extra animals, so that instead of at the
24-month

21 time point, there being, say, just 28
months on

22 test in one or two cases there was 29 or
30, and I

23 couldn't work that out. I don't know
where the

24 extra animal came from. But by and large
that --

25 this is -- this conforms to what I found
in the

1 Ilgren

2 vast majority of the cases.

3 Q. Now, did you find something
in the

4 study design or study protocol where they
said

5 here's what we are going to do. We are
going to

6 kill four of each sex at zero time and 3
months,

7 at 12 and 24 out of each of the groups and
-- stop

8 there?

9 A. Yes, sir.

10 Q. That was the intent of the
original

11 study?

12 A. Yes, sir.

13 Q. Then what you are saying is
when you

14 went back to the archive and looked at it,
you

15 determined that that in fact is what they
did, but

16 as you have noted, you found that they
also had

17 one or two extra animals?

18 A. In one or two instances.

19 Q. And were these one or two
extra

20 animals that you found in one or two
instances

21 killed animals at one of these time
periods or

22 were these animals that remained alive?

23 A. They appeared to have
remained alive.

24 Q. You report, now continuing
under

25 "Animals," a total of 96 rats out of the
320 were

776

1 Ilgren

2 used for morphometric study.

3 Is that what you mean there,
these

4 are the ones that were killed along the
way at 3,

5 12, and 24?

6 A. Yes, sir.

7 Q. So again backing up, I am
trying to

8 be as clear as I can here, the study
started with

9 240, four groups of zero control and the
three

10 asbestos groups. 96 were killed along the
way, so

11 we should really, to determine how many
are left,

12 subtract 96 from 240, and we get 144. And
then

13 what you are saying is you noted that
there were

14 one or two extra ones here or there, am I
right on

15 that?

16 A. There would be -- well, the
96
17 doesn't appear to take into account the
18 morphometrical analysis of the time zero
animals.
19 It would just appear to be 3, 12 and 24
months.
20 So just for example, you have
at any
21 one time point four animals of each sex of
any
22 group untreated or treated removed. So
that would
23 be 32 animals for -- at any one time point
in all.
24 Do you understand?
25 Q. Right. So should there
really be 108

777

1 Ilgren
2 that were killed in the original
experiment and
3 not 96?
4 A. Right, I believe it is 120 --
5 MR. WILL: Wait, can we just
go off
6 the record for a second.
7 MR. BROWNSON: Sure.
8 (Discussion off the record)
9 MR. BROWNSON: Let's go back
on the
10 record, and Dr. Ilgren will

continue the

11 answer.

12 MR. GERSON: Why don't you
repeat

13 the last question.

14 BY MR. BROWNSON:

15 Q. Let's start at this present
position.

16 I think we were clear, Dr.
Ilgren,

17 until we ran into this number of 96 and
now you

18 are now explaining to us that that number
really

19 is something different and what it is and
can you

20 describe that for us?

21 A. Yes, I could.

22 Q. O.K.

23 A. The 96 rats pertain to the
number of

24 animals that were analyzed
morphometrically at 3,

25 12 and 24 months. It does not pertain to
the

778

1 Ilgren

2 morphometrical analysis of the animals
studied at

3 time zero, so there should be an
additional 32

4 animals added to the 96 to give a total of
128

5 rats.

6 So the sentence that reads,
"A total

7 of 96 rats out of 320 were used for
morphometric

8 study" should actually continue as at 3,
12 and 24

9 months. In fact, a total of 128 rats in
all out

10 of 320 were used for the entire
morphometric

11 study.

12 Q. So there should be an
additional

13 sentence in here that says a total of 128
rats

14 were used for morphometrical study?

15 A. Yes, sir.

16 Q. So can we then take the 240
rats

17 ready to be studied and subtract 128 that
were

18 killed along the way beginning at time
zero to get

19 our remaining live rats?

20 MR. WILL: 240?

21 MR. BROWNSON: 240.

22 A. It should be 128 out of 320,
I

23 believe. Is that what you asked me? 128
out of

24 320?

25 Q. No, that's not what I asked
you.

1 Ilgren

2 MR. WILL: Because 240 is
not the

3 right number.

4 Q. Let me do this.

5 Again I don't want to rehash
what we

6 already did but after the -- when we took
-- when

7 they took the 400 rats that came into the

8 laboratory and then after taking out the
JM 100

9 rats which was 40, we ended up with 360
and then

10 they killed off five of each sex out of
four

11 groups, 40 more?

12 A. Right.

13 Q. And that brought us down to
320 rats,

14 right?

15 A. Right.

16 Q. O.K. Now I see where my
confusion

17 is, O.K.

18 So we have 320 rats ready to
begin

19 the experiment. 80 were controls and 240
were to

20 be controlled to asbestos, is that fair?
Is that

21 correct I mean?

22 A. Yes.

23 Q. Total of 320. And then what
you are

24 saying is 128 were killed along the way at
zero

25 months, 3 months, 12 months and 24 months?

780

1 Ilgren

2 A. For morphometric study, yes.

3 Q. So what we need to do to
determine

4 how many rats remained alive is subtract
128 from

5 320?

6 A. I believe so, yes.

7 Q. What do we get there?

8 A. 192.

9 Q. So that leaves us with 192
rats?

10 A. Yes, sir.

11 Q. And again without going into
great

12 detail and belaboring this, your paper
here, parts

13 1, 2 and 3, was a review by you, and I
guess to

14 some extent Dr. Wagner of these 192 rats
or -- I'm

15 sorry, the remaining slides or tissues or
whatever

16 of these 192 rats that remained alive

after the

17 study to see what happened to them at
their death.

18 Is that fair to say?

19 A. Yes, sir.

20 MR. GERSON: Could you
repeat the

21 preceding question.

22 (Record read)

23 A. Plus an analysis and
synthesis of the

24 morphometric data in connection with the

25 morphological or histological studies
based on

781

1 Ilgren

2 those materials.

3 Q. So if -- I am trying to
summarize

4 this to put it in laymen's terms.

5 A. Sure.

6 Q. What you were trying to do
here is,

7 going back to this archive and pulling out
this

8 old material of the rats who now are all
long

9 dead, and you were going to examine them
-- one of

10 the things you were going to examine is of
the

11 remaining 192 living rats, after the
experiment

12 they eventually all died or were killed
and some

13 tissues or slides were kept of those and
you were

14 going to look at those, right?

15 A. Yes.

16 Q. And that's what you did,
correct?

17 A. Yes.

18 Q. And then in addition to that,
you

19 have just told us that you also in your
paper talk

20 about some of this morphological data of
the

21 killed rats that Pinkerton and these other
prior

22 people have done?

23 A. Well, they did morphometrical

24 studies, studies done -- largely by an
electron

25 microscope, some light microscopy, but I
suppose

782

1 Ilgren

2 what I was saying before was that in 1992,
I was

3 sent morphometrical data and some tables
which

4 presented some pathology data, and I was
asked to

5 put together a manuscript and publish this

6 material.

7 And at that time when I
looked at the

8 data tables for the animals on lifetime
tests, you

9 know, we are talking about the animals
that you

10 and I have been talking about just now, it
was

11 clear that 40 to 50 percent of the animals
were

12 missing, and so I satisfied myself with --
through

13 discussions with Kent Pinkerton that I had
most of

14 the morphometrical data.

15 But at that time neither Kent
nor I

16 knew where the rest of the animals were
that had

17 been on lifetime test, so at that point we
agreed

18 that we needed to try to make that
determination,

19 and so the study was originally conceived
of an

20 analysis not only of the morphometrical
data but

21 the so-called -- when I say morphological
data, I

22 am talking about the animals on lifetime
test as

23 analyzed by traditional light microscopic
means --

24 but Kent and I both agreed that the study
should

25 be an attempt to integrate both his own
work done

783

1 Ilgren

2 by an electron microscopical analysis and

3 morphometrically with these lifetime studies which

4 had never really been published before.

5 Q. Now, you say you were asked
in 1992

6 to publish the morphometrical data. Who
asked you

7 to do that?

8 A. I said to publish the whole
thing as

9 an integrated work.

10 Q. Who asked you to do that?

11 A. Kent Pinkerton.

12 Q. Did he come to you and say,
"Dr.

13 Ilgren, I have selected you to do this,"
or had

14 you gone to him before then, or how did
this

15 contact originate?

16 A. In 19 -- I believe in 1991, I
came

17 across Kent's 1983 paper entitled
something like

18 "A characterization of the size of Three
Fiber

19 Types in an Aerosol," the three fiber

types being

20 the Coalinga the UICC/B and the Jeffrey
fibre.

21 And I read the paper through,
and I

22 believe as we have discussed in the
earlier

23 depositions, he had indicated in his
paper, in

24 that 1983 paper, that he felt the
implications of

25 his findings had a biological relevance
and the

784

1 Ilgren

2 manner in which the data had been set out,
the

3 thoroughness and the completeness in which
the

4 data had been set out in this 1983 paper
suggested

5 to me that this was a part of a much
larger study.

6 It was the so-called exposure data
analysis of a

7 large inhalational bioassay.

8 And so just to sort of
confirm or

9 refute that particular suspicion, I tried
to find

10 Kent Pinkerton, and I looked in the 1983
paper and

11 he was listed at Duke. And so I called
the

12 Department of Pathology at Duke and I
asked for

13 Kent Pinkerton, and they put me on to Dr.
Crapo.

14 And Dr. Crapo had said that he had moved
to

15 California.

16 But since Dr. Crapo was
involved in

17 this particular study, I said, "Well, I am
calling

18 because I have a suspicion that there
might be

19 other data attendant to this particular
study. Is

20 that true?"

21 And he said, "Yes, there is
mountains

22 of unpublished data."

23 And so I said, "Well, I would
be very

24 interested in speaking with whomever might
be able

25 to tell me more about these data."

785

1 Ilgren

2 And he said, "Well, I will
give you

3 Kent Pinkerton's telephone number in the

4 University of California."

5 So I believe late 1991 or
some point

6 maybe in early 1992, I called Kent

Pinkerton in

7 California and I told him that I have been
looking

8 at the issue of long versus short fiber
for some

9 years and in particular the Coalinga
fibre, and he

10 said that indeed he had a great deal of

11 unpublished data and that he wrote me a
letter and

12 he said, I believe it was in December
1992, in

13 this letter that he would be pleased if I
sort of

14 cut, paste, did anything I want with this
to put

15 it into a written manuscript.

16 Q. Did he give you permission to
use the

17 data out of his doctoral thesis and the
tables and

18 that sort of material?

19 A. Yes, sir. He sent me chapter
4 of

20 his thesis, two rough manuscripts, five
tabular

21 summaries of the lifetime bioassay, the
animals in

22 lifetime test, and there were also two
letters,

23 one from Jim Crapo from 1979 addressed to
Gene

24 McConnell and another 1991 from Dr. Crapo
to Kent

25 Pinkerton. I believe that's all he sent
me at the

1 Ilgren

2 time.

3 Q. Do you still have those
materials?

4 A. Yes, sir.

5 MR. BROWNSON: Can we get
those,

6 Trevor? Just the letters.

7 MR. WILL: Let's talk about
it.

8 MR. BROWNSON: O.K. let's
move on

9 here.

10 Q. And is it then this paper,
part 1, 2

11 and 3 that we have been talking about this
morning

12 that is the resulting published work by
you that

13 originated back in 1991 when you called
Dr. Crapo

14 and Dr. Pinkerton?

15 A. Yes, sir.

16 Q. And again I am wondering why
neither

17 since they -- this was their original
work, is a

18 coauthor on your papers?

19 A. I beg your pardon?

20 MR. WILL: I already asked
him that.

21 MR. BROWNSON: I asked about
Dr.

22 Pinkerton. Now I am asking about
both.

23 Q. This was the original animal

24 toxicology work for Dr. Pinkerton and
these other

25 doctors in the 1970's at the national
program we

787

1 Ilgren

2 talked about, and you now have examined
some of

3 that data and published it and you told us
that

4 Dr. Pinkerton invited you to do that and
sent you

5 a bunch of material.

6 My question is do you know
why none

7 of those doctors who did the original work
are

8 coauthors on your paper?

9 MR. WILL: I object to
that. He

10 only talked about the three that he
was in

11 contact with, McConnell, Pinkerton
and

12 Crapo. Earlier he gave you a much
longer

13 list of doctors.

14 MR. BROWNSON: Now I am
asking how

15 come none of them are on the papers
as

16 coauthors.

17 A. I believe I said they didn't
want to

18 be on the paper.

19 Q. And again is it your
testimony that

20 none of them gave you a specific reason
why they

21 didn't want to be on the paper?

22 MR. WILL: Here is my
problem. You

23 have now expanded the question.

24 MR. BROWNSON: I know.

25 MR. WILL: But you have done
it in a

788

1 Ilgren

2 way that makes it suggest that he
talked to

3 a lot of doctors about being on the
paper

4 when there isn't any testimony that
he did.

5 MR. BROWNSON: Let me back
up and

6 clarify this then.

7 BY MR. BROWNSON:

8 Q. Is it true that you just
asked two

9 people to be coauthors -- I'm sorry, three

people

10 to be potential coauthors, Wagner,
McConnell and

11 Pinkerton?

12 A. To my recollection.

13 Q. And none of the three or all
three

14 declined to be coauthors?

15 A. As I recall, yes.

16 Q. And now my new question is:
Do you

17 know any of the specific reasons why any
of the

18 three decided not to be coauthors?

19 A. Well, I didn't ask Chris so

20 specifically, but I had the sense from our

21 discussion and interaction that he didn't
feel

22 that he had done, and again I am
surmising, but I

23 had the sense that he felt he hadn't done
that

24 much work on the overall projects, i.e.,
this

25 specific present study that he wanted to
be a

789

1 Ilgren

2 coauthor. That's my -- that's what I
surmise. I

3 don't have a -- and I believe the same
with

4 McConnell.

5 Q. How about Dr. Pinkerton,
though,

6 because this, after all, was his data?

7 A. I don't know.

8 Q. So what you have just told
us, if I

9 can again try to sum this down to a
nutshell, is

10 that your own interest here began really
when you

11 saw this 1983 paper by Pinkerton and Brody
and all

12 these authors and that you read it and you
wanted

13 to follow up on it, and you placed this
call to

14 Dr. Crapo, and away you went?

15 A. And away -- sorry, I was --
could you

16 just repeat that?

17 Q. This work that you have done
has now

18 resulted in the three-part paper that we
have been

19 looking at, again to summarize and
paraphrase,

20 really began when you found and read this
1983

21 paper by Dr. Pinkerton and Crapo and other
authors

22 sometime in about 1991 or so, is that
fair?

23 A. Well, these specific papers
but I --

24 I mean I had gone to visit Dr. Muhle in

Germany in

25 1988, and I have been talking to him about

790

1 Ilgren

2 Coalinga fibre at about that time or
somewhat

3 earlier. So I suppose the inception time
in my

4 own mind is before my 1991 discussion, but
the

5 data that goes into these specific papers
is

6 really time-lined or begins in that 1991
period

7 that we just talked about.

8 Q. And kind of a seminal event,
if you

9 will, is when you found this 1983 paper
called

10 "Three types of" -- called
"Characterization of

11 Three Types of Chrysotile Asbestos after

12 Aerosolization," reference number 45.

13 A. Yes.

14 Q. Now, your first contact then
with the

15 authors of that old rat study we have been
talking

16 about all morning was the telephone call
you

17 placed to Duke University, and you ended
up

18 getting Dr. Crapo, right?

19 A. Yes, sir.

20 Q. He then put you in contact
with Dr.

21 Pinkerton, right?

22 A. Yes, sir.

23 Q. Did you speak in addition to
any of

24 the other coauthors, that would be, Dr.
Brody, Dr.

25 McLaurin, Atkins, O'Connor, Pratt?

791

1 Ilgren

2 A. Yes.

3 Q. And which of those did you
speak to?

4 A. I spoke with Dan McLaurin,
Bernie

5 Atkins, I think it is Dr. O'Connor,

6 Q. How about Philip Pratt?

7 A. No.

8 Q. How about Arnold Brody?

9 A. I can't recall. I don't
believe so.

10 Q. Do you know if at any time
between

11 1991 and today whether you have told Dr.
Crapo

12 that you are doing work for Union Carbide
in

13 consulting work in asbestos litigation?

14 A. I don't believe I told him.

I

15 believe Kent Pinkerton and I talked about
that at

16 some off and on, and he was aware of that
from the

17 beginning.

18 Q. Now, in your papers, parts 1,
2 and

19 3, you have various acknowledgements to
different

20 people, correct?

21 A. Yes, sir.

22 Q. Now, here in either of the
three

23 parts of your paper, however, is there any

24 acknowledgement to Union Carbide, is
there?

25 A. Not that I can see, no.

792

1 Ilgren

2 Q. You would agree with me,
however,

3 that during the time period you worked on
this

4 paper and up until today, you have been
doing

5 consulting work for Union Carbide and its

6 attorneys in cases involving Union Carbide

7 Coalinga asbestos, right?

8 A. Yes, sir.

9 Q. Do you think, Dr. Ilgren, it
would be

10 a good practice to have made some mention
of that

11 fact in the acknowledgements so that the
reader of

12 these three papers would know that while
you were

13 concluding that Coalinga asbestos is, to
use your

14 term, a nuisance dust, you are consulting
with and

15 helping Union Carbide in its asbestos
litigation?

16 A. I didn't think that
influenced my

17 analysis and interpretation.

18 Q. Would you agree with me that
that is

19 a bias that some reader might be
interested in

20 knowing, however?

21 MR. WILL: Well, I object to
the

22 form of the question.

23 It is not common practice in
the

24 scientific industry for authors to
put down

25 everybody they consulted with.

793

1 Ilgren

2 MR. BROWNSON: No, it is not
but --

3 strike that.

4 BY MR. BROWNSON:

5 Q. You told us at a prior
deposition

6 that during the time over the past few
years, and

7 that includes the time you were working on
these

8 papers, your main source of consultation
income

9 has been from Union Carbide in its
asbestos

10 litigation, correct?

11 MR. WILL: Well, I object to
the

12 form of that question, too. He
said what

13 he said during certain periods of
time. I

14 don't think that is true for the
entire

15 period of time.

16 A. I would have to receive the Q
and A.

17 I don't believe I said that.

18 Q. Over the past several years,
you have

19 been doing consulting for Union Carbide in
20 asbestos litigation, correct?

21 A. Yes.

22 Q. Including this case, by the
way,

23 right?

24 A. Yes, sir.

25 Q. One we had versus Union

Carbide?

794

1 Ilgren

2 A. Yes.

3 Q. During the same period of
time, you

4 were working on these three papers,
correct?

5 A. Yes, sir.

6 Q. And you have published and in
your

7 papers concluded that although Canadian
asbestos

8 can be both fibrogenic, tumourigenic and

9 biopersistent, Coalinga asbestos is not?

10 A. That's what the data shows.

11 Q. That's what you have
concluded,

12 correct?

13 A. On the basis of the data, but
that's

14 my conclusion.

15 Q. Now, with respect to the
asbestos

16 that was given to these rats in the
Pinkerton

17 study back in '78 to 1980 --

18 A. Yes, sir.

19 Q. -- Dr. Pinkerton in his
thesis and

20 Dr. Pinkerton in his published paper in
1983

21 describes that as "Coalinga mine
chrysotile," is

22 that right?

23 A. I believe so, sir.

24 Q. And as you know, Dr. Ilgren,
there

25 have been historically at least three
operating

795

1 Ilgren

2 mines in the Coalinga deposit. We know
which of

3 the three this chrysotile came from?

4 A. COF25 Calidria

5 Q. How do you know that?

6 A. I have the documentation.

7 Q. So this is Union Carbide
Calidria

8 chrysotile that these rats were exposed
to?

9 A. Cyclone overflow.

10 Q. From the Union Carbide mine,
correct?

11 A. Yes.

12 Q. By the way, and I disagree a
little

13 bit.

14 Are you aware that the
California

15 chrysotile that Meltoni used in his
experiments

16 was also from the Union Carbide mine
because I see

17 you take some pains in your paper to raise
some

18 doubt as to which mine that came from?

19 A. He never responded a reply to
that

20 effect, so what do you base that on?

21 Q. I based it on the fact that
Dr.

22 Langer gave it to him and Dr. Langer got
it from

23 that mine?

24 A. That's interesting.

25 Q. So now you know that there is
a

796

1 Ilgren

2 helpful piece of information?

3 A. It is another piece of
information.

4 Q. I am looking at the 1983
paper that

5 kind of started all this study for you
that we

6 have talked about, and I take it you have
read

7 that paper and you familiar with it,
correct?

8 A. Yes, sir.

9 Q. Now, that paper has a table
called

10 "Table 1," which is entitled "Gravometric

11 Measurements for Each Chrysotile
Preparation in

12 the Exposure Chapter," O.K.

13 And again this is the paper
that is

14 talking about the rats that were exposed
to the

15 three types of asbestos?

16 A. Yes, sir.

17 Q. And that table indicates that
the

18 concentration of dust to which the rats
were

19 exposed -- strike that -- that table
indicates the

20 concentration of dust to which the rats
were

21 exposed?

22 A. As milligrams to per cubic
meter.

23 Q. Exactly. And it reports both
the

24 total dust in the chamber and milligrams
per cubic

25 meter, and then it reports what is called
the

797

1 Ilgren

2 respirable concentrations in the dust
chamber in

3 milligrams per cubic meter?

4 A. Yes.

5 Q. It is true, is it not, that
the
6 respirable concentration of Calidria Union
Carbide
7 dust to which these rats were exposed was
only
8 about a third as much as the two Canadian
9 chrysotile dusts to which those rats were
exposed?

10 A. I think it is 42 percent as
opposed
11 to 76 versus 82 percent.

12 Q. It is 42.3 Calidria, 75.7
UICCB and
13 87.1 Jeffrey.

14 And by respirable
concentration, they
15 mean the dust that gets breathed by the
rats?

16 A. Yes, sir.

17 Q. Now, let me ask you a few
more
18 questions about that.

19 You have noted that the
percentage,

20 if you will, was 42 percent for the
Calidria, 75

21 for the UICC/B Canadian and 87 for the
Jeffrey

22 Canadian, but it is also true, is it not,
that the

23 total concentration of dust from which the

24 respirable fraction was derived was
different,

25 wasn't it?

1 Ilgren

2 A. I believe so.

3 Q. And I can show you this. I
mean I am

4 not trying to be tricky.

5 A. No, I know. But just show me
the

6 numbers real quick and I will -- yes,
that's fine.

7 Q. So what we have got, the
total

8 concentration of what's called chamber
dust or

9 dust in the chamber was 11.36 milligrams
per cubic

10 meter for the Jeffrey chrysotile?

11 A. Casella or Cascade, there are
two

12 methods, the top is Cascade or the top is
Casella.

13 Q. It looks like "Cascade," but
you can

14 check it.

15 A. Yes, it is the Casella.

16 Q. So according to table 1 in
17 Pinkerton's paper 1983, he reports that
the

18 chamber dust concentration by mass for the
Jeffrey

19 Canadian chrysotile is 11.36 milligrams
per cubic

20 meter, correct?

21 A. Yes.

22 Q. For the UICC/B Canadian
chrysotile,

23 it is 10.99 milligrams per cubic meter?

24 A. Yes.

25 Q. For the Calidria Union
Carbide, it is

799

1 Ilgren

2 7.76?

3 A. It is not 3.28?

4 Q. No, no, I am talking about
the total

5 chamber dust?

6 A. I'm sorry, right, right,
right,

7 sorry.

8 Q. Then when you turn to the
same table,

9 he next reports the respirable
concentration of

10 the dust which is what the rats breathe?

11 A. Right.

12 Q. And he reports that the
Jeffrey

13 Canadian chrysotile is 9.9 milligrams per
cubic

14 meter, correct?

15 A. Correct.

16 Q. And the UICC/B Canadian is
8.2 grams

17 per cubic meter?

18 A. Right.

19 Q. And the Coalinga Union
Carbide is

20 3.28 grams per cubic meter?

21 A. Right.

22 Q. Now, would you agree with me
that

23 what that tells us is that the Coalinga
Union

24 Carbide asbestos is about a third as much
as the

25 Jeffrey in terms of the respirable
concentration?

800

1 Ilgren

2 A. Yes.

3 MR. WILL: By weight, by
mass?

4 A. By mass.

5 Q. As reported here, it is about
9.9 for

6 the Jeffrey and about 3.28 for the
Coalinga?

7 A. Yes, sir.

8 Q. So it is fair to say, is it
not, Dr.

9 Ilgren, that these rats back in the NIEHS

10 experiments got about -- breathed about a
third as

11 much Union Carbide Coalinga dust as they
did the

12 Canadian chrysotile?

13 A. That would be the assumption,
14 yes.

15 MR. WILL: Again, by mass,
16 not by

17 fibers.

18 MR. BROWNSON: Well, as
19 reported in

20 this --

21 A. As reported by mass, yes.

22 Q. And would you agree that we
23 do not

24 have any actual data as to how many fibers
25 each

26 rat or the different rats breathed, but we
27 do have

28 the data as to the mass or the amount of
29 asbestos

30 they breathed?

31 A. Yes, that's correct. Per
32 that paper.

33 You are talking just about on the basis of
34 those,

801

1 Ilgren

2 that paper, right.

3 Q. Now, can you tell us where in
4 your

5 papers, parts 1, 2 or 3 you report that in
6 fact

7 the doses or the exposure amounts that the
8 rats

6 got to the three types of asbestos was
different?

7 A. I believe there is a
presentation or

8 representation of those mass data in part
3, I

9 have to check that. It might be in
another part.

10 Again that's discussed at great length in
part 4,

11 which as you have pointed out, is not yet

12 published. I thought we had repeated the
mass

13 data, but I guess we hadn't repeated it.

14 Q. Again I will go to the
abstract of

15 part 1 of your paper which is entitled
"Coalinga

16 Fibre - A Short Amphibole-Free
Chrysotile," part

17 1, "Evidence for a Lack of Fibrogenic
Activity,"

18 and what you say in your abstract and what
you

19 then say in the paper is that the exposed
rats

20 back in this Pinkerton et al. experiment
showed

21 fibrogenic responses to both asbestos
types from

22 Canada but none from the Coalinga
chrysotile,

23 correct?

24 A. Yes.

25 Q. But you never say anywhere in
there

1 Ilgren

2 that they only got a third as large a dose
of

3 Coalinga chrysotile as they did of the
Canadian?

4 A. It is not discussed in this
paper.

5 Q. Would you agree with me the
inference

6 that the reader is left with is that these
rats

7 who were all exposed to the three types of

8 asbestos in equal amounts and those
exposed to

9 Canadian asbestos got sick and those
exposed to

10 Calidria did not?

11 A. They were all occupational
exposures

12 but one would infer that.

13 (Luncheon recess: 12:30
p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

803

1

2

AFTERNOON SESSION

3

1:45 p.m.

4

5 E D W A R D I L G R E N ,

6 resumed and testified further as
follows:

7 EXAMINATION (Continued)

8 BY MR. BROWNSON:

9 Q. Dr. Ilgren, I want to once
again plow

10 through the number of animals, but
hopefully we

11 can finish it fairly quickly.

12 But before I do that, one
thing

13 occurred to me which I could not get --
find an

14 answer from your papers and maybe you
know.

15 Do you know if any of the
sacrificed

16 rats, you know, the ones we have talked
about

17 earlier that were sacrificed at either
before it

18 started, before the experiment started or
at zero,

19 3 or 12 or 24 months, do you know if any
of those

20 rats had tumors? Do we have any data on
that?

21 A. Yes. Yes, there is mention
of, I

22 believe, two tumors at 24 months and I put
this --

23 this should be in my paper. It is in part
2. It

24 is in part 2, I believe.

25 Q. I don't want to jump ahead
then.

804

1 Ilgren

2 A. That's fine.

3 Q. We will get to that.

4 Now, going back to part 1 of
your

5 paper, you had spoken about one of the
things that

6 the federal government groups who were
doing the

7 rat inhalation study was doing was they
had this

8 big group of Fischer control rats that
they were

9 looking at.

10 Do you know what I am talking
about

11 there?

12 A. Controls?

13 Q. Not on this particular study
we have

14 been talking about.

15 A. The Solleveld.

16 Q. Yes, the Solleveld?

17 A. Yes, the Solleveld group.

18 Q. And I pulled the reference
that I

19 found in your paper with respect to that.
I found

20 a paper. This is one of the papers that
you had

21 referred to. It is called "Natural
History of

22 Body Weight Gain, Survival and Neoplasia
in the

23 F344 Rat," by Solleveld and some other
authors?

24 A. And the question is?

25 Q. Well, first of all, I want to
confirm

805

1 Ilgren

2 is that the paper that --

3 A. Which I have cited in here?

4 Q. Yes.

5 A. I believe so. I would have
to go and

6 check, but I am very -- I am pretty sure
this is

7 the paper which is cited. Yes, it is

reference

8 42.

9 Q. Now, I am going to work off
my notes

10 here and let me see if I got it correct.

11 If you turn to page 942 of
that paper

12 at table 1, have I got that correct?

13 A. Yes.

14 Q. I count 5,747 rats in this
big group,

15 is that right?

16 A. Yes.

17 Q. Now, just can you explain for
us, Dr.

18 Ilgren, what this is. I understood this
was kind

19 of some big general study of the Fischer
344 rats

20 for general purposes. It wasn't tied into
this

21 particular study we have been talking
about this

22 morning, is that right, or is this just --

23 A. No. My explanation as having
put the

24 same question to Chris Wagner, his
explanation

25 rather to me was that when the study was
being

806

2 designed, they wished to have not only a
so-called

3 concurrent control, a control group, say,
of the

4 80 rats that would be running at the same
time but

5 they also wanted to build in two
additional

6 control groups.

7 And if you look at my paper
number 2,

8 table 1, you will see that these two
control

9 groups are incorporated. So they brought
Dr.

10 Solleveld from Holland. They more or less
counted

11 him from the TNO, which is I believe the
Dutch

12 Cancer Institute, to be working with Dr.
McConnell

13 more or less at the same time, I believe
in 1978

14 or 1980, to set up an analysis of a much,
much

15 larger group of control animals, again,
untreated

16 control animals, some so-called pure life
span

17 controls and other are historical controls
which

18 are animals that are just left in groups
for years

19 and years and years. I don't know exactly
how one

20 differentiates between these, but they are

21 differentiated so that's my explanation,

that's as

22 I best recall what Chris told me this
study was

23 about.

24 Q. One of the things I noted by
looking

25 at table 1 at page 932 of this rat control
study,

807

1 Ilgren

2 which is the Solleveld paper, it lists all
these

3 different types of neoplasias that these
control

4 rats had. None of these rats were exposed
to

5 asbestos, just so we are clear?

6 A. No, sir.

7 MR. WILL: Is that correct,
they

8 were not exposed.

9 A. That's correct. They were
not

10 exposed to asbestos.

11 Q. If you look down on this list
of

12 males, when we come to mesothelioma, it
says two

13 rats, and they were both mesothelioma, and
they

14 are just .4 percent, I guess?

15 A. I believe so.

16 Now, could I see that? Were
they
17 cited as NOS.
18 Q. I don't know.
19 A. Yes, I think they are NOS.
Well,
20 they would be plural.
21 Q. In any event, would you agree
with me
22 that out of this control group of some
5,748 rats
23 were not exposed to asbestos they found
two
24 mesotheliomas?
25 A. Yes, we have cited those in
our 1991

808

1 Ilgren
2 paper and in the book.
3 Q. And I did my own math, and
that comes
4 out to .03 percent. Does that sound about
right?
5 A. If that is what you come out
with,
6 sure.
7 Q. So would you agree that in at
least
8 among this control group of the Fischer
344 rats
9 which were used in the study we have been
talking
10 about today that the naturally occurring

or

11 background level mesothelioma is about .03
12 percent?

13 A. I would say yes. The only
thing that

14 comes to mind is I think there were a
couple of

15 other studies of untreated Fischer rats,
and I

16 can't remember what they found. I think
it is a

17 slightly higher incidence reported by
others, but

18 yes, I would agree with that.

19 Q. I want to go again to the
1983

20 Pinkerton paper we were talking about
before

21 lunch, and again this is the paper cited
by you as

22 reference 45 in part 1 of your paper?

23 A. Yes.

24 Q. Now, I am looking at table 2
of that

25 paper. Are you familiar with that table?
I will

809

1 Ilgren

2 show it to you.

3 A. Yes, I am familiar with the
paper.

4 Q. And this table 2 is entitled

"Optical

5 Microscopy Fiber Characterization
Percentage of

6 All Fibers Greater than 5 Microns in Each
Size

7 Class," right?

8 A. Right.

9 Q. And what this table shows is
of the

10 fibers greater than 5 microns how they
break down

11 into different lengths, correct?

12 A. Yes.

13 Q. And these are the data as
reported

14 and published by Dr. Pinkerton in 1983
with

15 respect to the Coalinga asbestos and the
two

16 Canadian types, UICC/B and Jeffrey,
correct?

17 A. Right.

18 Q. Do you agree with me that as
a

19 general proposition, that of the fibers
greater

20 than 5 microns, the three asbestos types,
there

21 isn't a great deal of difference in their

22 breakdown in the different categories?

23 A. Agreed.

24 Q. I am now turning back to the

25 "Materials and Methods" section of part 1
of your

1 Ilgren

2 paper. I am at page 266, and down at the
bottom

3 of the right-hand column, you have got the
heading

4 "Histopathological Analysis."

5 A. Yes.

6 Q. And you write, "Animals on
lifetime

7 test were analyzed histopathologically as

8 described by McConnell et al."

9 Now, my question is who
analyzed

10 these? When you say they were analyzed,
who did

11 that?

12 A. I did with Chris Wagner.

13 Q. This is that review you told
us about

14 earlier this morning down at the archive
when you

15 looked at the slides under the microscope?

16 A. Yes.

17 Q. Then in the second paragraph
of that

18 section, you say, "The interim sacrifices
were

19 scored," and then you talk about how they
were

20 scored. Who did that? Is this something
that you

21 did again or is this going back to the
early work?

22 A. That's early work, chapter 5,
table

23 X, thesis Pinkerton, 1982.

24 Q. Now, I then turn to table 2
of part 1

25 of your paper, which is certain fibrosis
scores on

811

1 Ilgren

2 the rats on lifetime tests, and you look
at the

3 three different asbestos types, right?

4 A. Yes.

5 Q. First of all, let me go back
and make

6 sure I am clear.

7 When you are talking here
about

8 lifetime tests, are you now talking about
those

9 rats that lived past the 24-month period?

10 A. Yes.

11 Q. That's what we are talking
about?

12 A. Yes.

13 Q. And just again, that is how
many

14 rats?

15 A. 28. As I indicated before,
there

16 were -- in one instance, there appeared to
be one

17 or two more such as in table 2. If you
look at

18 the UICC/NIEHS 1996, you will see for
males, there

19 is 30, so I mean in that instance, I found
two

20 extra animals.

21 MR. WILL: And that's 28 per
sex per

22 group.

23 THE WITNESS: Yes.

24 Q. So let's back up again just
so I can

25 be clear on this.

812

1 Ilgren

2 Of the rats that survived the
24

3 months and were not killed as of 24 months
--

4 A. Yes.

5 Q. -- and we may need to work
the math

6 here, there were -- what was it, 192, we
had

7 figured earlier that were left at that
point?

8 A. I believe so. Yes.

9 Q. And those were again in the
four

10 groups, control group, Coalinga rats,

Jeffrey rats

11 and UICC/B rats?

12 A. Yes, I believe so, yes.

13 Q. And as a general matter, as I

14 understand what you are telling us in
table 2,

15 there were equal numbers of each except
these

16 couple of extra ones here and there that
we will

17 look at here, is that right?

18 A. That's to the best of my

19 recollection.

20 Q. So, for example, on the
control rats,

21 you report there were 28 males and 26
females. Is

22 that what that says?

23 A. Yes.

24 Q. Now, let me just see if I
have got

25 this terminology straight.

813

1 Ilgren

2 Table 2 starts by saying

3 "Control/NIEHS 1996"?

4 A. Yes.

5 Q. And what these are, are --
again what

6 all of these things are in table 2 are the
rats

7 that survived more than 24 months?

8 A. Yes.

9 Q. When you say "Control/NIEHS
10 1996,"
11 what you are describing there are the
12 slides of
13 rats that were dead but had lived more
14 than 24
15 months that you and Dr. Wagner looked at
16 when you
17 went down to the archives?

18 A. Right. In conjunction with
19 the
20 autopsy protocol and the gross pathology
21 findings,
22 but that's right.

23 Q. You went looking for the
24 NIEHS, you
25 found them in this archive at the NIEHS in
26 North
27 Carolina?

28 A. That's correct.

29 Q. So if I was to more, in a
30 longer
31 sentence, describe what those control rats
32 are,
33 those are control rats who lived -- I'm
34 sorry, let
35 me back up and start again.

36 If I was going to describe
37 what those

1 Ilgren

2 control rats are, those are the control
rats who

3 were not killed in the original
experiments, up to

4 24 months, but who later died and the
slides were

5 preserved in the archive and you found
them and

6 looked at them in 1996, is that fair to
say?

7 A. Yes.

8 Q. Now, do we know in terms of
what you

9 call the lifetime rats or these lifetime
scores or

10 lifetime tests, do we know how long this
lifetime

11 was?

12 A. Yes.

13 Q. How long was that?

14 A. It varied.

15 MR. WILL: Which rat?

16 Q. Were they allowed to live out
their

17 life or were they all killed at some
point?

18 A. No, they were allowed to live
out

19 their life and the survival data for the

20 individual rats are given in individual
data

21 tables. I think if you look at the figure
legend

22 at the bottom of table 2 where you see 750

plus

23 days, well, that pertains to MRC data, but
there

24 were survivors generally in excess of, as
I

25 recall, 600 days, 700 days for most rats,
but

815

1 Ilgren

2 every rat that died has a survival
duration for

3 it. O.K.

4 Q. So these rats that were not

5 sacrificed as part of the experiment were
allowed

6 to live out their life in a cage and then
when

7 they died, somebody noted how old they
were and

8 cut them up and to preserve these slides,
is that

9 fair to say?

10 A. Yes.

11 Q. Then this was all filed away
within

12 the archive. Then you came along in 1995
and '6,

13 found it and looked at it, and you are now

14 reporting in this table 2 what you saw, is
that

15 correct?

16 A. Yes.

17 Q. Now, if we then look at table
2, and

18 I will try to get through this as quickly
as I

19 can, the first thing you report is the
controls

20 that you looked at, and there were 28
males and 26

21 females, right?

22 A. Right.

23 Q. Then you say "Control MRC,
1984, 34

24 total rats." What is that data?

25 A. Well, those data are the data
from

816

1 Ilgren

2 the companion concurrent collaborative
study that

3 was run in conjunction with the Medical
Research

4 Council in the United Kingdom, so as the
study was

5 originally set forth the -- there were two
groups

6 that were run in parallel, an untreated
control

7 group and a UICC/B chrysotile exposure
group, and

8 they were, to my knowledge, started almost
at the

9 same time in the United Kingdom and at the
NIEHS.

10 And that was the primary purpose of the

study.

11 The NIEHS group also added
the

12 Coalinga short and the Jeffrey long to
their

13 protocol, but those two were not added to
the

14 United Kingdom protocol. O.K.?

15 Q. Just so we are clear, this

16 collaborative study that was done back in
the 70's

17 and 80's as well?

18 A. Right, that's McConnell 1984
was

19 cited.

20 Q. You mean Wagner?

21 A. No, it is actually McConnell
et al.

22 Q. Now, continuing in table 2,
we come

23 to the Coalinga lifetime rats, and again
these are

24 slides that are being looked at by you,
right?

25 A. Yes.

817

1 Ilgren

2 Q. O.K. And if I read this
correctly,

3 there were 27 male rats exposed to
Coalinga fibre

4 and 24 females who were allowed to live
out their

5 lifetime, right?

6 A. That's correct.

7 Q. So that gives us a total of
51 --

8 A. Maybe I should -- there were
data

9 found in the archives for 27 animals. The

10 number -- the proper number at the start
would be

11 a calculated 28, but in the actual data
sheets

12 that I found in the archives, there were
records

13 for 27.

14 Q. That was my question. 27
males and

15 24 females?

16 A. Exactly. And the ones that
could be

17 used for scoring that were not confounded
by, say,

18 leukemia, as I indicated in the tumor
paper it

19 would be Coalinga males 21.

20 Q. Now, do we know or do you
know

21 whether 28 rats -- 28 male rats and 28
female rats

22 were exposed to Coalinga and allowed to
live out

23 their lifetime or you couldn't find
records or

24 they really only did 27 females or 24
females?

25 A. I don't know.

1 Ilgren

2 Q. So we don't know which of
those two

3 it was?

4 A. Correct.

5 Q. But you found records of 27
male rats

6 and 24 female rats exposed to Coalinga
fibre and

7 allowed to live out their lifetime?

8 A. That's correct.

9 Q. And then in terms of giving a
score

10 to those rats for fibrosis in their lungs,
you

11 determined that 21 of them were -- 21
males and 17

12 females were able to be scored?

13 A. That's correct, with Dr.
Wagner. He

14 and I went through, as I recall, all of
the

15 Coalinga and the untreated controls
together as I

16 recall.

17 Q. So there were --

18 A. I believe -- excuse me one
second. I

19 don't think we specifically state which
cases

20 we -- no, we don't specifically state

which ones

21 we looked at, but he -- Chris and I went
through a

22 fair sampling of the control and the
Coalinga

23 slides together. We didn't look at any of
the

24 UICC/B together and we also looked at a
number of

25 the Jeffrey together as well.

819

1 Ilgren

2 Q. But when you say you looked
at a fair

3 sampling of them together, you personally
looked

4 at all of them?

5 A. I looked at all of them, and
he and I

6 looked at a sampling of them.

7 Q. So in terms of trying to
figure out

8 whether the Coalinga exposed rats that
lived out

9 their lifetime had fibrosis in their lungs
or not,

10 you determined that there were 21 male
rats and 17

11 female rats that could be examined for
that

12 purpose?

13 A. Yes.

14 Q. And you also determined that

there

15 were six males and seven females or a
total of 13

16 that you were unable to score because
there was

17 some problem with the slides?

18 A. Yes.

19 Q. So of those 13, we don't know
whether

20 they had fibrosis or not because you
weren't able

21 to make that determination because the
slides were

22 obscure or whatever?

23 A. We discussed the possibility
of that

24 and there is a discussion of that point in
the

25 paper itself.

820

1 Ilgren

2 I just want to see here.

3 As I have indicated on page
267,

4 column 1, paragraph 2, with reference to
the

5 animals which we could not score but we
believe

6 that there wasn't any reason to believe
that the

7 larger group that we could sample was not

8 representative of the whole.

9 Q. And why do you say that?

10 A. Just on the basis of the
consistency

11 of what we saw in the group -- in the
cases which

12 we could see. There was no suggestion
whatsoever

13 in the 38 animals exposed to Coalinga
which could

14 be histologically scored of fibrosis.

15 Q. Well, O.K. You did, however,
find

16 age-related lesions on those that could
not be

17 scored, correct?

18 A. Sure.

19 Q. And was the age-related
lesions the

20 reason they couldn't be scored? That
seems to be

21 what you are saying here.

22 A. Well, I am saying that these

23 particular so-called age-related lesions,
which

24 includes the Fischer cell leukemia and
includes a

25 mode of death very common to these rats,
which is

821

1 Ilgren

2 a renal failure due to amyloidosis and
secondary

3 uraemia and pneumonitis causing edema,

there was

4 no reason to believe that these were
necessarily

5 obscuring an underlying fibrosis. It is
not that

6 there was an age-related fibrosis per se.

7 Q. You call it age-related
lesions?

8 A. Yes, exactly.

9 Q. But then you say that the
age-related

10 lesions were probably treatment-related.
What do

11 you mean by that?

12 A. Where are you reading?

13 Q. I am reading in that
paragraph you

14 just cited me to the second paragraph on
the first

15 column on page 267.

16 A. I think what we are saying
here

17 pertains to competing causes of death.
The

18 Coalinga and the untreated animals died of

19 so-called age-related lesions. The
age-related

20 lesions was the kidney disease and this
form of

21 cancer, this leukemia.

22 The Canadian-treated animals
died

23 prematurely because of the pathogenic and

24 fibrogenic and cancer-inducing effects of
the

25 Canadian asbestos, and there was -- as I
recall,

822

1 Ilgren

2 there was less age-related lesions in the
3 Canadian-treated animals because they were
dying
4 from asbestos exposure or the attributable
effects
5 of the asbestos exposure.

6 Q. And that is determined by you
looking
7 at these slides in 1996?

8 A. And all the other data that I
had,
9 the autopsy reports.

10 Q. So it is determined by you
looking at
11 all this material you found in the archive
in
12 1996?

13 A. Yes, sir.

14 Q. So if I can then try to
summarize the
15 results as described by you under the
heading
16 "Results," you're saying that after you
looked at
17 the archival materials, 38 Coalinga-dosed
rats
18 that lived out their lifetime and some
control

19 rats and some Canadian chrysotile rats,
you

20 concluded that those data showed that the
Coalinga

21 chrysotile is not fibrogenic but the
Canadian is?

22 A. It is absolutely clearcut.

23 Q. That is in contrast to other
data

24 including data to papers you cited in your

25 references which would show that the
Coalinga

823

1 Ilgren

2 asbestos is fibrogenic in certain
instances,

3 correct?

4 MR. GERSON: I object to the
form,

5 of course.

6 A. I don't see the data. I saw
the

7 statement.

8 Q. Well, we looked at those
three

9 abstracts a moment ago that you cited.

10 A. You showed me a line in
O'Neil, Crapo

11 1981 that said histologically there is

12 interstitial fibrosis, but I never saw the
data.

13 Q. Did you look for it?

9 same group of rats, that is, the
Coalinga-exposed

10 rats, but those that did not live out
their

11 lifetime, those that were killed at
various time

12 periods along the way, Dr. Pinkerton and
these

13 other doctors who did the study did find

14 interstitial fibrosis caused by the
Coalinga

15 asbestos?

16 A. I have no idea what they
based that

17 on.

18 Q. But they found it, didn't
they?

19 A. Well, Kent Pinkerton and I
20 communicated. He sent me all of his
data. We

21 have had numerous discussions of what he
sent me.

22 I don't find anything in terms of the data
that he

23 ever sent me or in fact anything he ever
said to

24 support that statement.

25 Q. Doctor, you don't find it,
but you

825

1 Ilgren

2 will agree with me that Dr. Pinkerton
reported in

3 the American Review of Respiratory Disease
in

4 three different abstracts he published
there that

5 he found interstitial fibrosis in
Coalinga-exposed

6 rats from this experiment?

7 MR. WILL: I don't think it
says

8 interstitial fibrosis.

9 A. It says in O'Neil, Crapo in
1981 that

10 histologically they found interstitial
fibrosis.

11 As I recall they had looked at 24 months.
I think

12 they go to 24 months, is that correct?

13 Q. Yes, they do.

14 A. And I am saying that. I have
never

15 seen any light microscopical histological
data

16 which either Dr. Pinkerton or Dr. O'Neil
or Dr.

17 Crapo have ever compiled to support that

18 statement, and I have been given all the
data, I

19 have reviewed all the data. I have
discussed with

20 them their data, and in my opinion they
have --

21 there is no basis to say that or to
support that

22 particular line in O'Neil et al. 1981.

23 Q. Which really is 1980?

24 A. I'm sorry, 1980.

25 Q. So are you saying that Dr.
Pinkerton

826

1 Ilgren

2 was simply wrong when he reported in the
American

3 Review of Respiratory Disease that there
was

4 interstitial fibrosis caused by the
Coalinga

5 fibrosis in rats?

6 MR. WILL: Can you be more
specific

7 with the article. I think what you
said

8 this morning was lung changes, if I

9 remember the quote correctly.

10 Q. In one article Dr. Pinkerton
and

11 these other doctors including Drs. Crapo,
Brody

12 and Pratt said that all fibers, and this
includes

13 the Coalinga, caused injury to the
epithelium and

14 interstitium, correct?

15 A. You are reading from
Pinkerton et al.

16 1981, that particular --

17 Q. Yes, I am.

18 A. And in that -- before you go
on, in

19 that particular paper, do they report
24-month

20 data?

21 Q. I don't know if they do or
not,

22 12-month data?

23 A. Well, in that particular
paper, they

24 don't report the complete data.

25 Q. Let me ask you this. If a
rat gets

827

1 Ilgren

2 asbestosis in three months, does -- that

3 asbestosis doesn't go away and disappear?

4 A. Do they say asbestosis or do
they say

5 injury?

6 Q. O.K.

7 A. They are talking about acute

8 reactions which are clearly reversible for

9 Coalinga, all the data clearly indicate
that the

10 acute reaction, the accumulation of very
small

11 accumulation of cells and matrix in the
exposed,

12 high exposed Coalinga animals are
reversible and

13 disappear. They disappear at the end of
life.

14 They disappear at the end of 24 months.

15 Q. You say that?

16 A. Their data say that. Their
data is

17 presented in this paper. These are their
data.

18 Q. The data that you have dug
out of an

19 archive and you have presented in a paper
you say

20 says that?

21 A. The data which I was sent by
Dr.

22 Pinkerton which are replicated precisely
in this

23 thesis, precisely in his papers,
Pinkerton, et al.

24 1984, 1996, 1990, these are precise
replications

25 of his very data as sent to me by him.
These data

828

1 Ilgren

2 say that there is resolution, there is no

3 persistent significant reaction to
Coalinga fibre

4 by 24 months.

5 Q. Yet Dr. Pinkerton refused to
put his

6 name on that paper, didn't he, as you have
told us

7 earlier today, correct?

8 A. He said he didn't want to be

a

9 coauthor.

10 Q. Now, let's move to table 4 in
part 1

11 of your page?

12 A. O.K.

13 Q. Is this a table that you
generated or

14 did this come from somewhere else?

15 A. Well, these are data that I
was sent

16 by Dr. Pinkerton, is that your question?

17 Q. Right. That's what I am
wondering.

18 A. Right, these are data.

19 Q. Do you draw any conclusions
from

20 these data? In other words, as I read
this, these

21 numbers are kind of all over the map, and
I don't

22 see any pattern or conclusion here. I am
curious

23 what you draw from it.

24 A. Well, there is no persistent

25 induction or accumulation of noncellular

829

1 Ilgren

2 interstitial matrix induced by Coalinga at
the end

3 of the 24-month period when you compare
Coalinga

4 to controls.

5 Q. O.K. But I don't see any
24-month

6 data in this table?

7 A. Well, it is 12 plus 12. The
table

8 reads 3-month exposure.

9 Q. O.K.

10 A. 12 months exposure, and then
at 12

11 months, exposure ceases and so it is --

12 MR. WILL: O.K. I got you

13 A. Yeah, O.K.

14 Q. This is reporting noncellular
what?

15 A. Interstitial matrix volume.

16 Q. Now, in the controls, in the
males at

17 24 months, it is 178, but in the Coalinga
it was

18 287. Are you saying those are the same?

19 A. On the basis of the
statistical

20 comparisons using the small number of
animals,

21 there is no statistical difference between
the 178

22 and the 287.

23 Q. Well, doesn't that just
illustrate

24 that we don't have enough animals here to
get the

25 power of statistics to work?

1 Ilgren

2 A. I think what it indicates is
what you

3 have to do is you have to interpret the
study as a

4 whole and not rely solely on the
morphometry. I

5 think the morphometry are very telling.
If you

6 look at a bigger picture and compare in
that same

7 table 4 the two Canadian fibers, there is
a

8 massive increase by 24 months in the
interstitial

9 matrix volume or what Pinkerton terms the

10 morphometric equivalent of fibrosis. Each
were

11 well over 400 as compared with the
controls, as

12 compared with the Coalinga fibre. I think
the

13 study, the morphometrical analysis is
indeed

14 limited by the fact that there were only
four of

15 each sex taken out --

16 Q. O.K.

17 A. -- for each group, that's
absolutely

18 certain. And there is also limitation
posed by

19 the fact that the electron microscopical

analysis

20 relied on 1 millimeter cubes of tissue,
whereas in

21 the light microscopical analysis, you look
at

22 basically a section through the whole
lump.

23 So I think each method adds

24 information, and to some extent one method
gives

25 you information you can't get from
another, but I

831

1 Ilgren

2 think you have got to look at the whole
thing

3 together. When you look at the whole
thing

4 together, it all comes together to say
there is no

5 fibrogenic potential to Coalinga. That is

6 absolutely clear from these data.

7 Q. It is clear to you, but it is
not at

8 all clear to me, so let's return to table
4.

9 A. All right then.

10 Q. You are saying at 24 months
all you

11 had was 8 Coalinga-exposed rats, 8
controlled

12 rats, 8 UICC/B rats, and 8 Jeffrey rats.
And you

13 had this one small cube of tissue, each
looked at

14 microscopically?

15 A. Right.

16 Q. You are saying based on such
a small

17 number of rats, you cannot say that the
Coalinga

18 is greater than the control, right?

19 A. Well, I am simply saying that
the

20 statistical analysis will not
differentiate

21 between the control and the exposed.

22 Q. And that's because there
aren't

23 enough rats to do that?

24 A. Not necessarily.

25 Q. Well ---

832

1 Ilgren

2 A. It is --

3 Q. A difference in a volume
between 178

4 and 287 you have to agree is significant.
That's

5 over an 80 percent increase in volume, you
are

6 saying that's insignificant?

7 A. It is also a question of the
standard

8 deviation, and the variation in confidence

here,

9 you know it is more than just the absolute
10 numbers, and this is why one uses a
multiple
11 comparison analytical method which you
know, but I
12 mean this is why this is done.

13 Q. O.K. But to get back to the
14 question, there is, you will agree with
me, that
15 among the 8 Coalinga-exposed rats versus
the 8
16 controlled rats which are the Pinkerton
data
17 described by you at table 4, there is
nearly 80
18 percent increase in the volume, in the
19 Calidria-exposed rats, as opposed to the
control.

20 We can argue all day, I guess, whether
that is
21 significant or not, but that's a fact?

22 A. It is a fact in the analysis
of the
23 numbers, but if you go back to table 2 and
you
24 actually see that, you know, if you
compare
25 average fibrosis scores, I mean there is
an

833

1 Ilgren

2 apparent difference of the Coalinga has a

3 so-called slightly higher fibrosis score,
and I

4 think the difference that you are alluding
to here

5 is manifest perhaps in this what you are
terming

6 an 80 percent difference, but I mean if
you look

7 at the females, there is absolutely no
difference

8 whatsoever.

9 Q. But I wasn't looking at --

10 A. You are looking at the males.

11 What I am trying to say is,
is there

12 is -- there is some quote/unquote effect
taking

13 place, but it is not reflected in the
fibrosis

14 here.

15 Q. Yes, or by you?

16 A. As scored by me, as scored by
Chris

17 Wagner.

18 Q. Well, as scored by you in
table 2 is

19 what I am saying.

20 A. Yes. In fact, it is also
scored in

21 table 3, which is the other table used to
look at

22 parenchymal fibrosis.

23 Q. Now, I am turning my
attention to

24 table 7 which is at page 271.

25 MR. GERSON: Table what?

834

1 Ilgren

2 MR. BROWNSON: 7.

3 Q. This is a table entitled
"Changes in

4 number of the individual cellular
components of

5 the alveolar interstitium in rats
sacrificed at 3,

6 12 and 24 months treated with Coalinga,
Jeffrey

7 and UICC/B chrysotile," right?

8 A. Well, it is right, but the
UICC/B

9 should obviously not be there.

10 Q. Why not?

11 A. Because the sections were
according

12 to Kent Pinkerton. I made a mistake in
including

13 it. That's why. But basically the full

14 explanation is that Kent never analyzed
for these

15 particular changes in the individual
cellular

16 components, the UICC/B treated animals, so
that's

17 an error on my part.

18 Q. Now, let's turn on the same
page 271

19 to your section which is headed "Survival
Rates of

20 Animals on Lifetime Test."

21 A. Yes.

22 Q. And again I am confused. You
23 describe 53 controls, but going back to
our early

24 discussion today, there should be 80.

25 Are we saying you could only
find

835

1 Ilgren

2 records of 53 of them?

3 MR. GERSON: Excuse me,
where are

4 you looking at?

5 MR. BROWNSON: Right at the
top of

6 the second column, page 271.

7 A. Well, I have in table 2
actually 54,

8 so that should probably read on page 271,
4 out of

9 54 concurrent controls. I think that's
what it

10 should read, so that's correct, the record
should

11 read 54.

12 Q. So that's an error in the
page 271,

13 that should be 54 and not 53?

14 A. Yes.

15 Q. But again that indicates that
when
16 you reviewed these records in the archive,
you
17 could only find a record of 54 of the
controls,
18 and we don't know if that means that only
54 were
19 done or if all 80 were done and you just
couldn't
20 find the records --
21 A. No. All 60 -- you meant all
60, it
22 would have been -- oh, wait a minute. 56
23 actually. Remember it would be 28 at the
end of
24 the 24-month period, you are going all the
way
25 back.

836

1 Ilgren
2 Q. You're right O.K. 56. So we
don't
3 know what happened to the other two
controls, if
4 they were just not done or if the records
didn't
5 survive, correct?
6 A. That's correct, yes.
7 Q. Now, the next section on that
same
8 page is entitled "Parenchymal Fibrosis and

9 Survival"?

10 A. Yes.

11 Q. And basically what we are
12 saying here is that, or what you are concluding is
13 that the Canadian asbestos reduced the life span of
14 the surviving rats but the Coalinga asbestos
15 did not,
16 correct?

17 A. Yes.

18 Q. And again this is based upon
19 your review of that same number of rats we
20 talked about
21 earlier?

22 A. Yes.

23 Q. But you then say, "Cases with
24 pulmonary tumors, severe leukaemic
25 infiltration,
26 or marked uraemic involvement of the lung
27 were
28 excluded from the analysis," right?

29 A. Yes.

837

1 Ilgren

2 Q. Why were they excluded?

3 A. Because in those particular
4 instances
5 you couldn't with absolute certainty, as I
6 have

5 indicated before, this is -- these were
6 confounding features which have made it
difficult
7 to analyze fibrosis in particular -- well,
there
8 were virtually -- very few cases in which
the
9 pulmonary tumor obscured the level of
fibrosis. I
10 think there was one, but as I have
indicated, I
11 believe in paper number 2, there were
cases, and
12 this is at the end of tables, I think
number 2 --
13 there were cases that just could not be
read.
14 If you look -- if you look at
the
15 bottom of table, for example, 2-A and 2-B,
it says
16 "cases from lifetime test that could not
be read
17 due to autolysis or cases on lifetime
tests that
18 could not be read due to leukaemia." So
it is
19 part of this issue of age-related lesion
again.

20 Q. Now, going to the bottom of
the page,

21 you say, "A small percentage of slides
were

22 missing, 2 percent (5/208)."

23 What are we talking about
there?

24 A. Well, there were just five --
there

25 were autopsy reports for all the animals,
but when

838

1 Ilgren

2 I went -- and on each autopsy report,
there was an

3 animal number and a histology number, but
when I

4 went to go to the actual cardboard boxes
where the

5 histology slides were kept, I couldn't
find the

6 slides for that particular animal, so in
five

7 cases that was so.

8 Q. Now, let's go to table 8,
which is on

9 the next page, page 272.

10 A. Yes.

11 Q. And this is a table which
shows a

12 number of things, but it lists the
different types

13 of asbestos.

14 A. Yes.

15 Q. And it shows various scores
of

16 fibrosis, and then it also shows tumor
response,

17 correct?

18 A. Yes.

19 Q. Now, if you look at the
Coalinga
20 number 6, which were the slides that you
looked
21 at, right --

22 A. Yes.

23 Q. -- these were the surviving
rats that

24 died, and you looked at their slides
later?

25 A. Yes.

839

1 Ilgren

2 Q. And if you go to tumor
response, you

3 say 2 of 90. Where does that number come
from?

4 A. That would come from paper 2.

5 Q. I don't want to jump ahead of
6 ourselves, but in paper 2, it says tumor
response

7 for the same surviving Coalinga rats is 2
of 51?

8 A. I think what I did there was
I just

9 took 90 as the original number of animals
in the

10 entire so-called Coalinga group before any
was

11 taken out, which may not be the absolutely
correct

12 way to present that. It should probably
be 2 of

13 80.

14 Q. So 2 of 90 is incorrect, it
should be

15 2 of 80?

16 A. I believe so. Unless one
wants to

17 consider the absolute number of animals at
risk as

18 56, which might also be -- which might
also be

19 appropriate. Does that make sense to you,
because

20 28 animals run lifetime test?

21 Q. We are jumping ahead to page
2, but

22 just to do it at this point, you report
finding

23 two tumors in the Coalinga-exposed
lifetime

24 animals, right?

25 A. Yes.

840

1 Ilgren

2 Q. And in terms of figures out
-- two

3 out of how many, it could be either 90, 80
or 56

4 or is 90 just an error, it could be either
80 or

5 56?

6 A. It could be 80, 56.

7 MR. WILL: Or 90 if you

count before

8 you took the first ones out.

9 THE WITNESS: Yes.

10 A. There is one other thing
about this

11 table. I am going to jump ahead, but the
3.3

12 average concentration for Coalinga COF25,
that

13 should probably have been 8.8.

14 Q. Because are we talking about
the

15 overall concentration?

16 A. Yes, I believe that's the
overall

17 concentration and that would indicate that
it is

18 probably at least several hundred fibers
per cc in

19 that order.

20 Q. So is this another error in
your

21 table when you say 3.3?

22 A. Well, if one is talking about
average

23 concentrations all being total dust
masses.

24 Q. I don't know what you are
talking

25 about. Your table reads "Average
Concentration,"

2 and it reports 3.3, and you are now saying
that is

3 wrong --

4 A. Well, all I am saying is that
the

5 other data, to my recollection, were
expressed as

6 total mass doses, and the 3.3 is the
respirable

7 concentration. Does that make sense to
you?

8 Q. Well, I don't know if it does
or

9 doesn't --

10 A. Well, O.K. it is not.

11 Q. You are saying the 3.3 you
report in

12 is wrong?

13 A. I don't say it is necessarily
wrong,

14 but to make it perhaps comparable to the
others,

15 it should probably be 8.8, which is a very
high

16 dose consistent with some of the higher
levels

17 reported in the other treatment groups,
that's

18 all.

19 Q. Well, when you say it is a
very high

20 dose, again if we go back, the average

21 concentration as actually reported in the

22 Pinkerton paper was 7.9, it wasn't 8.8.

23 A. Sorry, 7.9.

24 Q. The other two Canadians were
a little

25 over 10 and a little under 11, right?

842

1 Ilgren

2 A. That's correct.

3 Q. So up at the top, for
example, where

4 you say "Short 2" and "Long 2," where do
you get

5 that 10? Should that be the 11? If we
are going

6 to change these numbers?

7 A. No. "Short 2" "Long 2" is
from Davis

8 and Jones reference 24. That's their 1988
paper

9 where they exposed the rats to short and
long

10 fiber preparations.

11 What I am trying to say here
is that

12 the COF25 administered at 7.9, as you
pointed out,

13 the correct figure would generate a fiber

14 equivalent of well over 100 fibers per cc,
which

15 is listed. You see that in the third
column of

16 table 8? Do you?

17 Q. Of greater than 5 microns,
correct?

18 A. Yes, which is a very high
dose.

19 Q. But, Dr. Ilgren, didn't you
state

20 earlier in this same paper and in fact you
said it

21 right in the abstract that the Coalinga
asbestos

22 was, to use your words, virtually all less
than 5

23 microns in length and now here you are
saying we

24 have in this table -- in this table now
you are

25 saying we have 100 fibers per cc over 5
microns in

843

1 Ilgren

2 length?

3 A. We are talking about air and
water.

4 That's what we are getting involved?

5 Q. Well, no. We are not talking
about

6 air and water. We are talking about this

7 Pinkerton data which you told us before
was air

8 data?

9 A. Right.

10 Q. That was the 7.9
concentration by

11 mass?

12 A. Right.

13 MR. GERSON: What is your
question?

14 Q. And you are saying that works
out to

15 over 100 fibers per cc greater than 5
microns?

16 A. As a fiber concentration
which he

17 never calculated.

18 Q. Well, apparently you or
somebody else

19 has, because you have it listed here in
the third

20 column.

21 A. Correct. Chatfield and I,
more

22 specifically Chatfield, has calculated
that it is

23 over 100, which is thoroughly consistent
with the

24 calculation that you've made which was a
fiber

25 equivalent of 131 greater than 5.

844

1 Ilgren

2 Q. It is 100 fibers greater than
5

3 microns of Coalinga asbestos, right?

4 A. Right.

5 Q. Now, I am looking at the

6 asbestos-exposed rats, which is the third
from the

7 bottom on your table which you get out of
a paper

8 reference number 52, right?

9 A. Right.

10 Q. And on that particular
chrysotile,

11 you report that there is 111 fibers, I
guess, per

12 cubic centimeter greater than 5 microns,
is that

13 right?

14 A. That's correct.

15 Q. And that produced 18 and 40
tumors?

16 A. That's correct.

17 Q. And that paper that Davis
published

18 that -- that's not your data?

19 A. That's Davis et al., 1986.

20 Q. Now, if 111 fibers per cc
greater

21 than 5 microns can produce and that's
short

22 chrysotile again?

23 A. Say that again.

24 Q. That is short chrysotil in
this Davis

25 paper?

845

1 Ilgren

2 A. No, that's very long. You

can see 90

3 percent over 10 in the second column.
That's the

4 wet disperse chrysotil preparation.

5 Q. So 90 percent of that is over
10

6 microns in length?

7 A. Yes.

8 Q. Whereas in the Coalinga, 23
percent

9 is over 10, right?

10 A. Well, you can see that
superscript 5.

11 Q. I do see that.

12 A. Within the air that was the
13 measurement that was found.

14 Q. And you claim that they were
15 artificially made longer by a spinning
process.

16 Where do you get that from?

17 A. I don't think that's --
should be a

18 spinning process. I think the correct
word is a

19 clustering. That was an editorial edition
that

20 John who -- we had a discussion about
spinning.

21 It is really a clustering, that particular
word.

22 Q. So it says spinning, and if
the

23 reader like me reads it, I read spinning,
but it

24 shouldn't be that, it should be
clustering?

25 A. In fact it should be
"produced by

846

1 Ilgren

2 clustering."

3 MR. WILL: Who was the
person who

4 put in that word?

5 THE WITNESS: It is not a
mistake.

6 The chief editor of the journal and
I

7 discussed this, and he wanted to
put in

8 spinning, and I said it should be

9 clustering, and he said it should
be

10 spinning.

11 Q. Is there any evidence
anywhere there

12 that this is spinning fiber?

13 A. It is not spinning in the
sense of

14 spinning a yard of textile, it is
clustering, and

15 I differed with John about that.

16 MR. GERSON: Is that a
difference in

17 semantics? By spinning, did he
mean the

18 same thing that you meant by

clustering?

19 THE WITNESS: Yes.

20 Q. Of course as we know in the
asbestos

21 toxicology field, textile-grade long fiber
carries

22 certain connotations, doesn't it?

23 A. Yes.

24 Q. And the word "spinning" is
something

25 synonymous with a textile process?

847

1 Ilgren

2 A. Yes.

3 Q. So the reader could fairly
conclude

4 that this is some sort of textile-grade
long

5 fiber, when in fact it is nothing but
Coalinga?

6 MR. WILL: I object to the

7 hypothetical.

8 Q. Isn't that a fair --

9 A. I don't like the word
"spinning." I

10 think it should be clustering.

11 Q. Let's now move down. Now we
are in

12 the discussion on page 272, below table 8.

13 A. Yes.

14 Q. And you talk about in

discussion

15 other studies with Coalinga chrysotile,
and then

16 you say, "The investigation by Muhle, et
al. is

17 the only other inhalation study of
Coalinga

18 chrysotile and it too failed to find
fibrosis."

19 That's what you say, right?

20 A. Yes.

21 Q. Then you cite your reference
51 to

22 the Muhle study, but in checking it, I
note that

23 it is really 52?

24 A. Yes.

25 Q. So that's a little error
there,

848

1 Ilgren

2 correct?

3 A. Yes.

4 Q. What you are saying is your
analysis

5 of the surviving Coalinga-exposed rats, at
least

6 those you were able to grade for fibrosis,
you

7 didn't find any, and now you are saying
you find

8 support for that in Dr. Muhle's study,
correct?

9 A. Yes.

10 Q. I am looking at the Muhle
study,

11 which is your reference 52 -- you say 51,
but it

12 is 52 -- and I don't see where he reports
anything

13 about fibrosis. Do you know where that is
in his

14 paper?

15 A. Do you want to give me the
paper?

16 Table 7, "Histopathological
changes

17 in the lungs of rats exposed to various
fibers

18 (inhalation study column heading No. 3
septal

19 thickening) interstitial fibrosis
interstitial

20 inflammation."

21 Q. So is that the fibrosis that
you are

22 talking about?

23 A. Well, that's what I am making
24 reference to.

25 Q. And that's in table 7?

849

1 Ilgren

2 A. Yes.

3 Q. Of Muhle's paper?

4 A. Yes.

5 Q. And where is the Coalinga or
Calidria

6 chrysotile asbestos reported on that
table? It

7 just says chrysotile. Is that the
Coalinga?

8 A. Yes.

9 Q. Now, what that table reports
is that

10 the septal thickening, which is described
as

11 interstitial fibrosis interstitial
inflammation,

12 is 42 percent in the Coalinga-exposed rats
and 24

13 percent in the controls, right?

14 A. Yes.

15 Q. So it is almost doubled?

16 A. There is two controls.

17 Q. Well, one control is by the
nose?

18 A. There is actually a sham,
so-called

19 sham control at 11 percent and an
untreated

20 control 24 percent, and I think the more

21 appropriate comparison is the 11 percent
plus the

22 24 percent, and then there is also another
control

23 missing from that which would be a
nonfibrous dust

24 control. There is two controls at the
bottom.

25
is what

Q. Two different controls. One

850

1 Ilgren

2 is called the sham control where they
actually put

3 the nose tube on the rat but didn't make
him

4 breathe any dust?

5 A. That's right.

6 Q. And one is the control
without

7 treatment, where they just let the rats
run around

8 in cages?

9 A. That's right.

10 Q. So there is two different
kinds of

11 controls?

12 A. Yes.

13 Q. And they are called in this
table --

14 well, for purposes of our questions, can
we call

15 them sham control and untreated control?

16 A. Right, that's fine.

17 Q. So Dr. Muhle in his paper,
reports

18 that the Coalinga -- Dr. Muhle in his
published

19 paper reports that the Coalinga chrysotile
exposed

20 rats at 42 percent fibrosis?

21 A. Septal thickening.

22 Q. Well, septal thickening, but
you

23 called that fibrosis in your paper, right?

24 A. No, I don't believe so.

25 Q. Well, you said it too failed
to find

851

1 Ilgren

2 fibrosis?

3 A. I said in this investigation,

4 fibrosis was assessed as septal
thickening, but

5 Muhle didn't mark it or didn't distinguish
the

6 thickening, whether it was due to proper
scar

7 tissue or whether it was due to cells, and
as I

8 think I also indicated in this or another
paper,

9 that we tried to get the slides to
discriminate

10 between those, and they were no longer
available.

11 But go on.

12 Q. Whatever it was and whatever
he

13 called it, he called it septal thickening?

14 A. Right.

15 Q. You saw that as a result --

16 consistent with results you found in the
lifetime

17 rats?

18 A. But if you look at page 272,
19 paragraph 2, I have said that the level 42
percent

20 is not different than a combined control
of 36

21 percent.

22 Q. Yes, you did say that, and I
am just

23 trying to establish, Dr. Ingren, what you
wrote.

24 You wrote that Dr. Muhle's
results in

25 his rat inhalation study is consistent
with these

852

1 Ilgren

2 conclusions you derive from the lifetime
rats,

3 correct?

4 A. Yes.

5 Q. O.K. And you then say, you
write

6 that Dr. Muhle failed to find fibrosis,
right?

7 That's what you say?

8 A. Yes.

9 Q. And in Dr. Muhle's study, the
10 fibrosis which he reported was this septal

11 thickening, right?

12 A. Yes.

13 MR. WILL: Well, object to
the form

14 of the question. He is using the
term

15 "septal thickening" as a proxy for

16 fibrosis, but that doesn't mean
he's --

17 MR. BROWNSON: Well, I guess
I am

18 just reading what Dr. Ingren
writes.

19 MR. WILL: He didn't quote
it

20 accurately.

21 Q. Fibrosis -- Muhle identified
fibrosis

22 as septal thickening, right?

23 A. Quote/unquote.

24 Q. Right?

25 And as we just said a minute
ago, in

853

1 Ilgren

2 Muhle's rats, out of 50 rats exposed to
Coalinga

3 chrysotile, 21 or 42 percent had septal
thickening

4 or this type of fibrosis, right?

5 A. Well, I wouldn't call it a
type of

6 fibrosis. They had septal thickening, and
it

7 doesn't differ from the combined controls,
absent

8 another needed control.

9 Q. Yes. Before we get to that,
though,

10 I am trying to take this a piece at a
time. I am

11 just trying to use your language.

12 You are the one, Dr. Ilgren,
that

13 says that Muhle's study did not find
fibrosis,

14 that's your exact quote, right?

15 A. Yes.

16 Q. And what Muhle was reporting
was

17 septal thickening, which as you say is --
that's

18 the thing that you call fibrosis here on
page 272,

19 right?

20 MR. WILL: I object to the
form.

21 No, that's not what he says. Read
the next

22 sentence, Bob. It explains it.

23 MR. BROWNSON: Let me please
start

24 over.

25 Q. You say that Muhle's study
finds no

1 Ilgren

2 fibrosis caused by Coalinga asbestos,
correct?

3 A. It too failed to find
fibrosis.

4 Q. Pretty clear?

5 A. But it is clear.

6 Q. And the thing that Muhle
found was

7 septal thickening, right?

8 A. Right.

9 Q. That's the so-called fibrosis
that

10 you are talking about here, right?

11 A. That's what I am saying.
"Fibrosis

12 was assessed as 'septal thickening.'"

13 Q. By Muhle?

14 A. By Muhle.

15 Q. Now, of Muhle's 50 Coalinga

16 chrysotile-exposed rats, 21 of those, or
42

17 percent had the septal thickening,
correct?

18 A. Correct.

19 Q. And you say, well, that's the
same as

20 the control rats because it is pretty
close to the

21 amount found in the control rats, right?

22 A. Right.

23 Q. And, ergo, the Coalinga

doesn't cause

24 increased septal thickening, is that a
fair

25 conclusion?

855

1 Ilgren

2 A. Right.

3 Q. O.K. Now, if we look at
Muhle's

4 control rats, he's got two different types
of

5 controls. He's got what we called earlier
the

6 sham controls and he's got the without
treatment

7 controls, correct?

8 A. Correct.

9 Q. And the sham controls he had
55 of

10 those rats, correct? I am just looking at
this --

11 A. O.K., sure.

12 MR. WILL: Why don't you
give him

13 the paper.

14 MR. BROWNSON: Take a look
at it.

15 We only got one there.

16 A. Right, he's got 55.

17 Q. And how many septal
thickenings did

18 those 55 sham control rats get, 6, right?

19 A. 6.

20 Q. 11 percent. Now, you say 12
percent

21 in your paper, but you really mean 11
percent,

22 right?

23 A. I mean 11 percent.

24 Q. And it is not 6 of 50, as you
say in

25 your paper, it is 6 of 55?

856

1 Ilgren

2 A. 6 of 55.

3 Q. Now, then he had another 50
rats, 50

4 control rats that were the untreated
control rats,

5 correct?

6 A. Correct.

7 Q. And of those 50 untreated
control

8 rats, 12 had septal thickening, which is
24

9 percent, O.K.?

10 A. O.K.

11 Q. And what you then say in your
paper,

12 Dr. Ilgren, is if you put the two groups
of

13 control rats together, the 50 which --
what you

14 call 50 but what is really 55, and the
other 50

15 which is 105 --

16 A. Yes.

17 Q. -- and you add those two
percentages,

18 what you call 12 but what is really 11 and
24, you

19 get 36, but it should really be 35, right?

20 A. Right.

21 Q. And you are saying that 35 is

22 essentially the same -- 35 percent is
essentially

23 the same as 42 percent?

24 A. Right.

25 Q. Now, let me ask you this
question,

857

1 Ilgren

2 Dr. Ilgren.

3 We have got two different
groups of

4 control rats. One of them has 11 percent
septal

5 thickening and one has 24 percent septal

6 thickening. You add those and say the
control

7 rats have 36 percent septal thickening,
correct?

8 A. Right.

9 Q. But there is no group of
control rats

10 here that has 36 percent septal
thickening, is

11 there?

12 A. You just add them together.

13 Q. Well, Dr. Ilgren, if I have
two bank

14 accounts and one earns me 24 percent and
one earns

15 me 12 percent, I didn't make 36 percent.
I made

16 18 percent, didn't I?

17 A. I imagine so.

18 MR. WILL: They are
equivalent.

19 A. But the manipulation -- the
reason

20 why it is added we -- to my mind, the
manipulation

21 in itself would seem to have been able to
induce

22 some kind of change. That's why I added
them

23 together, and I think what we are seeing
here is

24 that there is some kind of age-related
increase in

25 septal thickening which is consistent, and
I think

858

1 Ilgren

2 what we are not seeing at all in the Muhle
data is

3 the nonspecific nonfibrous dust control

which is

4 totally absent. I mean he has included
that. He

5 has included that in other studies, things
which

6 attempt to account for the specificity of
the

7 observation, and that's not here at all.

8 Q. But that's not what you are
talking

9 about.

10 Let's look at Muhle's data
because

11 that's what you are talking about, O.K.
Muhle's

12 controls are 105 total rats?

13 A. Right.

14 Q. 55 shams and 55 untreated,
right?

15 A. Right.

16 Q. Those 105 rats had 18 septal

17 thickenings, right?

18 A. Right.

19 MR. GERSON: Can we go off
the

20 record for a second?

21 MR. WILL: Why don't we take
a

22 break. We have been going for an
hour

23 already.

24 MR. GOLDMAN: Let's finish
up on

25 this calculation here.

1 Ilgren

2 Q. Muhle has 105 control rats,
18 of

3 them got septal thickening, right?

4 A. Right.

5 Q. 18 percent of his control
rats, even

6 a little less, got septal thickening,
isn't that

7 true?

8 A. Based on that calculation,
that's

9 correct.

10 Q. That's just straight math?

11 A. That's straight math.

12 Q. And 42 percent of his rats
exposed to

13 Coalinga chrysotile asbestos got septal

14 thickening, correct?

15 A. That's correct.

16 Q. So when you said 36 percent
of the

17 control rats had septal thickening, that's
a

18 mistake, isn't it?

19 A. It may be a mistake.

20 Q. So what we are really
comparing is 18

21 percent control rats with septal
thickening versus

22 42 percent Calidria chrysotile rats with
septal

23 thickening?

24 A. That on the basis of those
data

25 adding them together that would appear to
be

860

1 Ilgren

2 correct.

3 Q. And that's over a 100 percent
4 increase, it is over twice as much, isn't
it?

5 A. For whatever that means in
terms of

6 the composition of the thickening, it is
over 100

7 percent.

8 Q. Well, but you --

9 MR. GERSON: Bob, if you are
going

10 to question further on this report,
we need

11 to make a copy of it.

12 MR. BROWNSON: We can do
that. I

13 will stop. We can do that.

14 (Recess taken)

15 BY MR. BROWNSON:

16 Q. Now, I am backtracking to
page 271 of

17 part 1 of your paper.

18 MR. WILL: You can't do
that. You

19 can only go forward.

20 Q. I am moving forward to 271
and down

21 to "Age-Related Lesions" in the right-hand
column

22 toward the bottom. We talked about this
earlier.

23 There were the 22 percent of slides that
could not

24 be read. Do you see that?

25 And you state there that it
was 11 of

861

1 Ilgren

2 50, but I note over on table 2 it
indicates it is

3 11 of 51.

4 Do you know which of those is
the

5 correct number?

6 A. I believe it is 51.

7 Q. So the notation 11 of 50 on
page 271

8 is an error that should be 11 of 51?

9 A. I believe so.

10 Q. Now, I would like to move on
to part

11 2 of the paper, which is entitled
"Coalinga

12 Fibre - a Short Amphibole-Free
Chrysotile," part 2

13 "Evidence for lack of tumourigenic
activity,"

14 right?

15 A. Yes.

16 Q. And again this is your review
of the

17 slides and other surviving materials from
the

18 archive of the old Pinkerton rat
experiments,

19 right?

20 A. Yes.

21 Q. The same data really we had
in part

22 1 -- part 1, 2 and 3, we are working off
the same

23 data, so I don't have to keep repeating
this all

24 the time.

25 A. That's right.

862

1 Ilgren

2 Q. And again if I can -- I will
do this

3 at my peril, but if I can summarize your

4 conclusion here in a nutshell, what you
are saying

5 is that the two types of Canadian
chrysotile, the

6 UICC/B and the Jeffrey caused tumourigenic

7 responses in the rats, in these lifetime
rats, but

8 the Coalinga chrysotile did not. Is that
fair to

9 say?

10 A. Above controls, that's
correct.

11 Q. So again what you did is you
looked

12 at the lifetime control rats, the lifetime
13 Coalinga-exposed rats and the two groups
of

14 lifetime Canadian-exposed rats and just
kind of

15 compared them, if I can put it in layman's
terms?

16 A. Correct.

17 Q. And your conclusion was again
in

18 layman's terms, is that the control rats
at the

19 end of their life and the Coalinga control
rats at

20 the end of their life had about the same
number of

21 tumors, and the two Canadian
chrysotile-exposed

22 groups of rats at the end of their life
had more

23 tumors, is that right?

24 A. That's correct.

25 Q. Now, the basis of these
conclusions,

1 Ilgren

2 as I understand your paper, was again from
the

3 archive material, you looked at the slides
and the

4 autopsy reports and such documentation and

5 determined which of those rats died of
tumors or

6 which had tumors and which didn't, is that
right?

7 A. Yes, that's basically
correct.

8 Q. Now, again, was this an
analysis or

9 an examination by you or by Dr. Wagner or
by you

10 and Dr. Wagner or by others?

11 A. It is the same discussion as
before.

12 I examined all of them and then I also
examined

13 the same subset as the ones we looked at
for

14 fibrosis with Dr. Wagner.

15 There had also been for the
untreated

16 controls, concurrent controls, life span
controls.

17 The concurrent controls and the life span
controls

18 and also for the UICC/B, those materials
had been

19 reviewed before, and in table 1 it is
indicated as

20 such as control 1984 NIEHS or UICC 1984
NIEHS.

16 Q. The control 1998, is that
those --

17 that group of lifetime rats that died but
were the

18 control group that you then looked at the
slides?

19 That's what they are?

20 A. Yes, they are the same
animals as the

21 third one down Control 1984 NIEHS, that's
the --

22 they are the identical animals. Except
they found

23 three tumors and I found two.

24 Q. Now, I guess this is
something new to

25 me. Let me ask you this.

865

1 Ilgren

2 I wasn't aware that back in
1984 the

3 NIEHS actually analyzed those lifetime
rats,

4 control rats, did they do that?

5 A. That's published in McConnell
et al.

6 1984 in the Euro report symposium. It is

7 referenced in this particular paper.

8 Q. Maybe I missed it, but it
looks like

9 what you are saying is that the lifetime
rats,

10 both the controls and the UICC/B-exposed

rats,

11 were examined back in '84?

12 A. Yes, they were.

13 Q. And then you looked at them
again?

14 A. Yes, that's right.

15 Q. And in addition to that, you
looked

16 at the Jeffrey Canadian chrysotile-exposed
rats,

17 you didn't look at the rats, you looked at
these

18 slides --

19 A. Right.

20 Q. -- in 1998, and you looked at
the

21 Coalinga chrysotile-exposed rat slides in
1998?

22 A. That's correct.

23 Q. And then you summarized in
terms of

24 which rats among all these various groups
had

25 tumors, you summarized that all in table
1?

866

1 Ilgren

2 A. That's correct.

3 Q. It is this data from which
you derive

4 your conclusion that the tumors amongst
the

5 control rats and the Calidria-exposed rats
were

6 about the same and then the tumors among
the

7 Canadian chrysotile-exposed rats were
higher?

8 A. That's correct.

9 Q. Now, let's look at the table
-- first

10 of all, if we go through the columns on
the

11 left-hand side, is the type of exposure,
whether

12 they are control or which type of asbestos
they

13 were exposed to, right?

14 A. Yes.

15 Q. Then next we have the sex,
male or

16 female?

17 A. Yes.

18 Q. Then the next column is
marked "N1,"

19 right?

20 A. Yes.

21 Q. Now, I was looking at the
column

22 marked "N1" back in table 2 of part 1 of
your

23 paper.

24 A. They should probably read 28
instead

25 of 30.

1 Ilgren

2 Q. Right. That's what I am
getting to.

3 There is different numbers in the two, and
that's

4 what I am wondering about.

5 A. Yes, yes.

6 Q. So table 1 then in part 2 of
the

7 paper for this top group, the 1998
controls should

8 be, you say, 28 instead of 30 for males?

9 A. Yes, the problem was as
exemplified

10 in this table 1, for example, if you look
at UICC

11 1998 in the data table itself there were
29

12 animals found in the archives so again
there is a

13 discrepancy between what one would
calculate to be

14 on lifetime test as opposed to what one
finds in

15 some of the data tables. But generally
N-1 should

16 be 28 in the table 1.

17 Q. Actually what you called N-1
in table

18 2 of part 1 really looks to me to
correspond more

19 to what you call N-2 in table 1 part 2 if
that

20 makes any sense although there is still a

couple

21 different numbers, but is that right?

22 A. Would you just say that
again? N-1

23 in --

24 Q. Let me put it a different way
in

25 table 2 of part 1 the column you marked
N-1 as I

868

1 Ilgren

2 understood it were the archival rat data
that you

3 were able to find?

4 A. That's correct.

5 Q. As opposed to what might have
been

6 you know back when?

7 A. That's right.

8 Q. And then if you go to table 1
in part

9 2 it looks to me like what your column
marked "N2"

10 is -- that same data that you were able to
find

11 and "N1" looks like it is more of the data
that

12 should have been, is that a fair --

13 A. That's a fair statement.

14 Q. Is that a fair statement?

15 A. Yes.

16 Q. O.K.

17 A. But you see with respect to
18 determining the presence or absence of
tumors as
19 opposed to determining the presence or
absence of
20 fibrosis, it is easier to tell whether
there is a
21 tumor there; in other words, the leukemia
and the
22 autolysis and the other -- the confounders
that
23 might make fibrosis difficult are not
playing the
24 same sort of effect when you are
diagnosing
25 tumors. Is that --

869

1 Ilgren

2 Q. Well, you anticipated my next
3 question because if we go down and look at
the
4 Coalinga data, you examine 27 slides of
male rats
5 and 24 of females and it looks like you
didn't
6 have that reading problem you had with the
7 fibrosis, that's what you are just saying?

8 A. Right, that's exactly right.

9 Q. So in terms of your part 2
paper

10 where you are determining whether the
different

11 types of asbestos were tumourigenic in
these rats,

12 you were able to actually read more
slides, at

13 least for the Coalinga rats, than you were
trying

14 to determine if it was fibrogenic?

15 A. Yes.

16 Q. Now, let's look at the

17 Coalinga-exposed rat slides that you read
that are

18 reported in table 1 of part 2, and as we
said

19 before, there are 27 males and 24 females,

20 correct?

21 A. Yes.

22 Q. Now, again were these the
slides that

23 you looked under the microscope at down at
the

24 archive?

25 A. Yes.

870

1 Ilgren

2 Q. And this determination then
of which

3 of them had tumors and which didn't was
made upon

4 what data or what basis? Looking at the
slides or

5 autopsy reports or what?

6 A. The criteria? Well, looking
at the
7 slides, applying the criteria of McConnell
et al.,
8 which would be hyperplasia versus adenoma
versus
9 carcinoma in conjunction with the gross
10 description that was principle in the
autopsy
11 reports. That would be the basis. Just
the
12 traditional way of diagnosing a tumor.

13 Q. Now, with respect then to the
14 Calidria-exposed rat slides, you found two
tumors
15 among the 51 Calidria-exposed rat slides
you were
16 able to examine, correct?

17 A. Yes.

18 Q. That works out to 7.4 percent
tumor
19 rate, right?

20 A. Yes.

21 Q. That's what you report here
in table

22 1. Now, if we go up and look --

23 MR. WILL: Well, he reports
2 out of

24 27 is 7.4 percent, 2 out of 51 is
not --

25 MR. BROWNSON: You're right.

1 Ilgren

2 Q. Among the males --

3 A. Among the males.

4 Q. -- it is 7.4 percent.

5 Among the females, it is
zero?

6 A. It is zero.

7 Q. I must have been adding it.

8 Now, then if we go up and
look at the

9 control 1998, we see that among the males
it is --

10 A. Well, there is an error.
There is

11 again under "Total" there is "2(7.4)" but
the

12 exact tumors, again I don't know why there
is a

13 zero there, but there should be either 2
adenomas

14 or 2 carcinomas or 1 adenoma or 1
carcinoma.

15 There was no mesothelioma.

16 Q. Before we talk about that, I
want to

17 focus on the right-hand column, which is
"Total."

18 A. Sure.

19 Q. What we see among the slides
of the

20 control lifetime rats that you examined in
1998

21 was that there were two tumors among the
males for

22 7.4 percent and none among the females for

zero

23 percent?

24 A. Yes.

25 Q. Am I right in saying then
that when

872

1 Ilgren

2 you conclude the Coalinga-exposed rats at
about

3 the same rate of tumors as the control
rats, you

4 were comparing those two numbers and they
are the

5 same?

6 A. Yes.

7 Q. 7.4 percent for the males and
zero

8 for the females, right?

9 A. Yes.

10 Q. Now, I wanted to move on to
what you

11 just mentioned, but when I go back and
look at the

12 other columns that you got there for the
control

13 rats, I don't see where those two tumors
are.

14 That's my question. Where were those two
tumors?

15 A. There is an error here. I
have to go

16 back and check the data.

17 MR. WILL: I think if you
look at
18 the 1984 NIEHS control, does that
tell you
19 where --
20 MR. BROWNSON: That one has
three
21 tumors.
22 MR. WILL: Right.
23 A. Well, that has three tumors,
but with
24 reference to that specific data set, I
have to go
25 and check my -- I have to check my
notebook.

873

1 Ilgren
2 Q. What I would like to focus on
now are
3 the slides that you looked at, O.K. So we
can't
4 tell from looking at table 1 which of the
control
5 slides had tumors other than you just say
there
6 were two male tumors someplace?
7 A. We can't tell whether they
were both
8 adenomas or whether they were both
carcinomas or
9 whether there was one of each. We can't.
10 Q. Do we know in fact that there
were

11 two, however?

12 A. Yes.

13 Q. So you are saying that one of
those

14 zeroes are. Maybe more than one is an
error?

15 A. Yes.

16 Q. There were two tumors, and at
7.4

17 percent that's not the error?

18 A. That's not the error.

19 Q. So the open question then,
part 2 of

20 the paper doesn't tell us and your data
reported

21 at table 1 doesn't tell us is where were
these two

22 control tumors?

23 A. Benign versus malignant,
right.

24 Q. Would you admit that that's a
fairly

25 important question since we need those two
tumors

874

1 Ilgren

2 to make the controls be the same as the
Coalingas?

3 A. In what sense?

4 Q. Well, if, for example, there
were no

5 control tumors, then there would be an
increase of

6 tumors among the Coalinga, wouldn't there?

7 A. Well, there are two tumors.
I don't

8 know whether they were both benign or both

9 malignant.

10 Q. But at least your paper
creates some

11 doubt as to where those tumors might be?

12 A. You mean in the class are the
ones

13 benign or malignant?

14 Q. Well, what they are at all.

15 A. Like I said, it is either
going to be

16 adenoma or carcinoma, and for the purpose
of

17 assessing so-called risk in this instance,
it is

18 the same, you count them the same.

19 Q. But you will agree with me
that your

20 paper provides us no specifics on those
tumors?

21 A. On the malignant potential of
those

22 two tumors.

23 Q. Right. Then if we look at
this

24 column marked BAH, is that what you called
the --

25 A. Hyperplasia.

1 Ilgren

2 Q. You had another term you used
a

3 minute ago, pretumors or something, what
did you

4 call it?

5 A. No, I said hyperplasia versus
adenoma

6 versus carcinoma.

7 Q. So BAH, why did you include
that

8 column in your reporting here?

9 A. Because that's what was
included in

10 other pages in a standard way.

11 Q. And that's because that's
considered

12 a significant finding. It's not a tumor,
but it

13 is a hyperplasia which can be induced by
asbestos

14 exposure, for example, correct?

15 A. As I say, some people would
like to

16 see that particular lesion to understand
what the

17 level is, right.

18 Q. And in fact some scientists,
many

19 scientists consider that a significant
finding in

20 asbestos-exposed animals because it is a
marker of

21 exposure and a marker of potential tumors
if there

22 is more exposure along the way, is that
fair to

23 say?

24 MR. WILL: I object to the
form of

25 the question. I think it is fair
to ask

876

1 Ilgren

2 him if he thinks it is. It is not
fair to

3 ask him what a lot of scientists
may or may

4 not think without naming them and
being

5 specific.

6 A. I don't think it is a tumor,
7 necessarily a tumourigenic lesion, and I
think the

8 lesions of concern are the adenomas and
the

9 carcinomas. Others may disagree with
that.

10 Q. Well, for example, I am
looking at

11 this Muhle paper we looked at a moment ago
that he

12 published in 1987, and remember, we were
talking

13 about the septal thickening a moment ago,
but he

14 also has -- in that same table, for
example, he

15 reports on the BAH, does he not?

16 A. Yes.

17 Q. And I note that in your
references

18 you cite this paper by Oberdorster.

19 A. What about Oberdorster?

20 Q. Actually I am jumping ahead
of myself

21 in citing Oberdorster. Forget that paper
for a

22 moment. I am going to cite that in a
minute.

23 Here is what -- Mr. Goldman
has

24 reminded me if we turn to page 24 of your
paper in

25 the second column called "Other Studies
with

877

1 Ilgren

2 Coalinga Chrysotile," about halfway down
or

3 two-thirds of the way down in the column,
you have

4 the sentence, "However, Muhle et al. have
5 countered" -- do you see that?

6 A. Yes.

7 Q. And I will read it, "However,
Muhle,

8 et al. have countered this by stating that
'the

9 high rate of bronchiolar alveolar
hyperplasia of

4 something, you stated earlier, Mr.
5 Brownson, Muhle's paper does report
one
6 tumor with a crocidolite. You said
it
7 didn't produce any.

8 MR. BROWNSON: O.K. I stand
9 corrected.

10 Q. But in any event, what Muhle
is

11 saying there and from what you quote from
him and

12 say in your own paper is that the BAH in
that

13 instance indicates a tendency of the
crocidolite

14 fibers to produce neoplasm?

15 A. At 74 percent it is
suggested.

16 Q. Now, again if we turn to
table 1,

17 part 2 of your paper, I note that none of
the

18 lifetime control rats whose slides you
examined

19 had BAH, right, neither male nor female,
but of

20 the Coalinga-exposed rats 3 males and 3
females

21 for a total of 6 had BAH, correct?

22 A. Right.

23 Q. So would you agree with me
that there

24 is more BAH or bronchiolar adenomatous
hyperplasia

20 group, out of 2,320 male rats, there were
60

21 tumors?

22 A. Right.

23 Q. And you have calculated that
to be

24 2.7 percent, right?

25 A. Right.

880

1 Ilgren

2 Q. I also note that of the
females, out

3 of 2,320 rats, there were 28 tumors,
right?

4 A. Right.

5 Q. You calculated that to be
1.3,

6 although when I calculated, I only got
1.2?

7 A. Right.

8 Q. Be that as it may, there is
1.2 or 3

9 among the females, O.K.?

10 A. O.K.

11 Q. Total tumor rate then among
all of

12 those control rats in this big group of
over 5,000

13 controls again would be the average of 2.7
and

14 1.3, and I didn't do that exact math but
it is

15 about 2 percent?

16 A. Right.

17 Q. And the tumor rate then among
the

18 Coalinga-exposed rats at lifetime, which
was 7.4

19 of the males and zero among the females,
and I

20 didn't do the exact math but it is about
3.7

21 percent, correct?

22 A. Right.

23 Q. And you would agree with me
that

24 that's about a 50 percent increase or
difference

25 over a percentage in the big group of
controls?

881

1 Ilgren

2 MR. WILL: No, it is a 25
percent

3 decrease, not a 50 percent
increase.

4 MR. BROWNSON: 2 to 3-1/2.

5 MR. WILL: It just depends
on the

6 way you do the math, whether you
take the

7 percentage --

8 A. I mean I think the analysis
you have

9 just done -- you're analyzing the number
of tumors

10 that appear in the life span controls and
the

11 historical controls, and you are working
out

12 percentages?

13 Q. Right.

14 A. And the former analysis of
the very

15 same concurrent control group done and
kept under

16 the same identical conditions at the same
time,

17 which is the third entry down on table 1,
"Control

18 1984 NIEHS" found up to 10 percent -- they
report

19 10 percent tumors in the males and none in
the

20 females.

21 And I think the more
appropriate

22 control is to compare the concurrent
control from

23 the same study as reviewed by McConnell
and others

24 with what we have here both for the
control group

25 and the Coalinga group, I mean the life
span and

882

1 Ilgren

2 the historical groups are done at

different times,

3 different periods under different
conditions and

4 different lapse, and we know that they can
have --

5 they can have an effect.

6 So I would say the more
appropriate

7 control comparison, if you are looking for
another

8 control is to compare the third group down
with

9 the findings that we made in this study
for

10 controls and to compare against Coalinga
so --

11 does that make sense to you?

12 Q. Yes. The most important
comparison

13 of course are the ones you highlighted in
boldface

14 type when we are looking at the Coalinga
are the

15 identical lifetime rats, control rats,
Coalinga

16 rats, UICC and Jeffrey rats?

17 A. Well, the identical -- and
again the

18 identical group is also the third entry
down, this

19 one here I am indicating.

20 MR. WILL: The 1984 NIEHS
control

21 group.

22 A. That's the same group.

23
same 400.

Q. Well, that came out of the

24
rats.

MR. WILL: No, it's the same

25
animals, the

A. No, it is the exact same

883

1

Ilgren

2 exact same slides. I am sorry it is so
confusing.

3 They are the exact same animals. They are
the

4 exact same slides. The only difference
being for

5 the control 1998, Chris Wagner and I
looked at

6 them.

7 Q. You saw two tumors and they
saw

8 three?

9 A. Exactly. And for the control
1984,

10 Dr. McConnell and I think one other
pathologist

11 looked at them and they saw three tumors,
but they

12 are the exact same slides, same animals,
exact

13 same everything.

14 Q. Well, let me ask you this.
What you

15 are saying then is you take those same
lifetime

16 control rats and when you looked at the

slides in

17 1998, you found two tumors, and back in
1984, Dr.

18 McConnell and others found three, right?

19 A. Right.

20 Q. And when you then looked at
the

21 Coalinga slides in 1998, you found two
tumors but

22 we don't know what they would have found
because

23 they never looked at those slides?

24 A. Right.

25 Q. O.K. So in terms of the
closest

884

1 Ilgren

2 comparison being the same rats, the same
slides of

3 those dead rats and the same reader, you,
it would

4 be the 1998 controls versus the 1998
Coalingas?

5 Do you agree with me on
that? As

6 luck would have it, both turn out to be
7.4

7 percent?

8 A. Without the variable readers,
is that

9 what you are saying?

10 Q. Yes.

11 A. Sure.

12 Q. What I understand you are
telling me

13 is the next closest comparison would be
these 1984

14 controls because it is the same rats but
somebody

15 else is looking at the slides, right?

16 A. Correct.

17 Q. Then after that, would the
next

18 closest comparison be the big rat group
that are

19 different rats, bigger group, different
people

20 looking at them?

21 A. Correct.

22 Q. And you must have thought
that to be

23 of some significance because you include
it here

24 in your table?

25 A. Well, I mean I think it is of

885

1 Ilgren

2 importance that this is just a data set
that was

3 generated by the NIEHS at the same time,
not

4 necessarily in the same place, by the same
people.

5 I just thought it would be of interest to
the

6 readership to see that there.

7 Q. But again you had told us a
8 little

9 bit earlier today that it was in fact done
10 in

11 connection with this particular study
12 because

13 remember, I asked if that was a general
14 thing that

15 had nothing to do with this, and you said
16 no, it

17 was this big control group tied into this
18 study

19 and that study was in England?

20 A. But again one is historical
21 controls

22 and the other is concurrent controls.

23 Q. Now, I notice again if we go
24 back to

25 the BAH column that the Coalinga rats when
26 you

27 read their slides had the highest level
28 BAH of any

29 of the different asbestos types or the
30 controls

31 for that matter, is that correct?

32 A. Well, there is more as
33 absolute

34 numbers, but whether there is an actual
35 higher

36 percentage remains to be seen, if you
37 understand

38 what I mean.

39 Q. I do understand what you
40 mean. There

1 Ilgren

2 were six among the Coalingas and five
among the

3 UICC, but the percentage may be a little
4 different?

5 A. Right.

6 Q. But in any event, at least
for that

7 problem of the longs, the Coalinga is not
or the

8 Canadian chrysotiles are not worse than
the

9 Coalinga, are they?

10 A. No, it doesn't appear to be.

11 Q. How would we be able to find
out, we

12 or you or anybody, how would anyone be
able to

13 find out where these two control tumors
are?

14 A. I have them in my notebook in
the

15 office. I can -- it is not -- I will
check those.

16 I have those data.

17 Q. You have those data somewhere
in a

18 notebook?

19 A. Yes.

20 Q. How about the slides
themselves, are

21 they sitting down in that archive?

22 A. Yes.

23 Q. And if a layman like me
stumbled into

24 that archive and looked for them, are they

25 organized in such a fashion that you could
find

887

1 Ilgren

2 them or would it take a skilled person to
try to

3 figure out which were which?

4 A. I think you have to apply,
you know,

5 for permission to review, but they will
retrieve

6 the slides for you.

7 Q. But assuming you applied for

8 permission and they said yes, Brownson, we
will

9 let you look at them, would they be
available to

10 look at anyway, as far as you know anyway?

11 A. Yes, sure.

12 Q. As far as you know, they
haven't

13 thrown them away or anything since that
time?

14 A. As far as my knowledge.

15 Q. As far as you know, are you
the only

16 person that ever looked at those archives

impression

12 from the people down in the archives
whether since

13 the time those things -- you know the
study was

14 over and the slides were put away in an
archive,

15 did you get any impression if you were the
first

16 person then that had come down and looked
at the

17 archive or did you find out or seem to
think that

18 other people have done that over the
years?

19 A. My impression is that
subsequent to

20 McConnell and Boorman looking at them that
no one

21 had ever looked, but I can't be sure.

22 Q. You don't know for sure?

23 A. I don't know for sure.

24 Q. Now, when you went to this
archive,

25 did they allow you to look at this
material

889

1 Ilgren

2 voluntarily or did you have to pry it out
of them,

3 was it --

4 A. Pry it out of them?

5 Q. Well, when you asked to look

at it,

6 did they say, "Sure, go ahead and look at
it," or

7 did they give you a hard time? This is
what I am

8 wondering.

9 A. I don't understand. I mean,
one

10 person's hard time is another --

11 Q. Did you have to make a
Freedom of

12 Information Act request to get at this
stuff or

13 did they voluntarily let you look at it?

14 A. Once they found it, I was
free to

15 look at it.

16 Q. But when you made your first
request

17 to look at it before they found it, did
they say,

18 "O.K., we will go find this for you, Dr.
Ilgren,"

19 or did you have to take forceful steps to
make

20 them look for it?

21 A. Well, in 1992, I had asked
Dr.

22 McConnell where they were, and he said the

23 archives.

24 And then I said, "Would you
check and

25 see if they are in the archives?"

1 Ilgren

2 And he said he checked and
they

3 weren't in the archives.

4 And so after discussions with
various

5 other people who questioned the fact that
they --

6 I mean -- they questioned this idea that
they may

7 not be in the archives. I called quite a
number

8 of people at the NTP and requested that
they

9 search again, and I suppose over a
several-year

10 period, they ultimately found these
materials, but

11 once they were found, it was just a
question of

12 writing them a letter and asking in a line
or two

13 would I have the permission to look at
these

14 materials.

15 Does that answer your
question?

16 Q. Did they say yes, come on and
look at

17 them?

18 A. Sure.

19 Q. So you had to call a number
of people

20 and kind of get after them to get them to

look for

21 them and find them, but once they found
them, you

22 had no trouble going and looking at them?

23 A. No, not at all.

24 Q. Now, I am continuing in --

25 MR. WILL: They were back in
the

891

1 Ilgren

2 warehouse next to the box for
Raiders of

3 the Lost Ark.

4 MR. BROWNSON: We don't have
to be

5 on the record here.

6 (Discussion off the record)

7 MR. BROWNSON: Let's go
back.

8 BY MR. BROWNSON:

9 Q. Again in part 2 of your
paper, I am

10 now looking at tables 2-A and 2-B at pages
20 and

11 21. And it looks like what you have done
here is

12 you have set out some big tables for the

13 UICC/B-treated lifetime rats and the

14 Jeffrey-treated lifetime rats where you go
through

15 every single rat and tell what kind of
tumor they

16 have, et cetera, right?

17 A. Right.

18 Q. For both males and females?

19 A. Right.

20 Q. Now, my question is and I
think this

21 is of great interest to all of this, why
isn't

22 there a table like this for the
Coalinga-treated

23 rats?

24 A. There was no significant
fibrosis,

25 and there was no significant tumor
response.

892

1 Ilgren

2 Q. Well, there were two tumors
among the

3 males?

4 A. But I consider that to be
significant

5 increase over controls, so I didn't
include it in

6 a detailed table. I mean all you would
have seen

7 for fibrosis scores is a bunch of 1's and
2's and

8 the odd 3, and for primary tumor you would
see

9 none straight down the page except for two

10 entries, and then there was some
extrapulmonary,

11 there was some pancreatic -- I can't
remember

12 exactly what the extrapulmonary tumors,
but there

13 were a few extra.

14 Q. Would you agree with me that
it would

15 be helpful for the reader of this paper
since the

16 purpose of the paper is to compare the

17 tumorigenesis of the three types of
asbestos if

18 you were to set out all of the rats and
all of the

19 tumors for the three types of asbestos?

20 A. No, because as I just said,
the

21 editor wouldn't have let it in.

22 Q. Is that why it is not in here
because

23 the editor wouldn't let you put it in?

24 A. I can't remember what the
exact

25 discussion was. He may have said to me,
"For

893

1 Ilgren

2 space reasons unless there are positive
findings,

3 don't put it in," but I mean there just
weren't a

4 significant number of positive findings to
include

5 them in the paper.

6 Q. Well, O.K. It would have
told us,

7 for example, what those two particular
tumors were

8 that were found in those male rats, we
would have

9 had that data?

10 A. Well, that you could just
say, I mean

11 that should have been in table -- in table
1.

12 Q. But it is not in table 1.
All it

13 just says is two tumors. It doesn't give
us all

14 this detail that you give us in 2-A and
2-B?

15 A. But that's an error. But
they should

16 have been included in table 1 but there
was no

17 reason why if you could simply convey that

18 information in table 1 why you had to
generate,

19 say, a table 2-C or a table 3 to indicate
all of

20 that.

21 Q. O.K. Well, you also have a
column in

22 both table 2-A and 2-B for the two
Canadian

23 asbestos for extrapulmonary tumors, and we
have no

24 data one way or another on the
Coalinga-exposed

25 rats or extrapulmonary tumors anywhere in
the

894

1 Ilgren

2 paper, do we?

3 A. There might be a description
in

4 the -- in another part of the page, but I
don't

5 think so.

6 Q. But there isn't, though, is
there?

7 A. I don't recall, but if you
say so, I

8 will take your word for it.

9 Q. So if I can summarize what
2-A and

10 2-B show us with respect to the rats dosed
with

11 the two types of Canadian chrysotile, you
go

12 through every single rat and you tell us
what

13 their fibrosis score is. If they have a
primary

14 tumor, what that is, if they have a
secondary

15 tumor, what that is, and if they have an

16 extrapulmonary tumor, what that is, right?

17 A. Right.

18 Q. We don't get that sort of

data for

19 the Coalinga-exposed rats?

20 A. I didn't think it was
necessary since

21 the findings were very clearcut.

22 Q. Let me ask you this
question. With

23 respect, for example, to the male
Coalinga-exposed

24 rats, there is only 27 of them, right, in
your

25 table 1?

895

1 Ilgren

2 A. Right.

3 Q. And of those 27 rats, if you
count

4 the BAH and the tumors which we don't know
what

5 they are, that's 5, 5 out of 27 is almost
20

6 percent. Are you saying that's not
significant?

7 A. Yes. Because I do not -- I
am not

8 counting the hyperplasias as tumors. I
don't see

9 anybody else who would count that.

10 Q. Yet in table 2-A you are
reporting

11 the hyperplasias?

12 A. In brackets but not as
tumors.

13 Q. But it is data that is
provided to
14 the reader and it is data that you saw fit
to
15 provide to the reader with respect to the
Canadian
16 asbestos, right?
17 A. But it is also provided here
for the
18 Coalinga. You are saying basically that I
need to
19 put an extra table in for Coalinga to lay
out all
20 the extrapulmonary tumors, to lay out
everything
21 else.
22 Q. I am not saying anything what
you
23 have to do. I am saying you did it with
the
24 Canadian asbestos but you didn't do it
with the
25 Coalinga.

896

1 Ilgren
2 A. I have not attributed nearly
as much
3 significance to the BAH's as to the
clearcut
4 increase in the primary tumors in the
5 Canadian-treated animals.
6 Q. But you have to admit, Dr.
Ingren, if

7 the careful reader here wanted to compare
this,

8 the tumors and the other problems in the

9 Coalinga-exposed animals, as small as they
might

10 be, with the tumors and the other problems
in the

11 Canadian-exposed animals, that careful
reader

12 can't do it because you just give the data
for the

13 Canadian. You don't give the data for the

14 Coalinga?

15 A. The careful reader --

16 MR. WILL: The answer is no,
you

17 didn't give the other data.

18 A. But I don't think that's the
answer.

19 I think the answer is that the careful
reader has

20 been asked if they wanted additional data
to apply

21 to the authors, it is not necessarily for
this

22 item but -- and I can't remember whether
it is in

23 part 1 or part 2, but there are certain
places

24 where we say data not included, the
authors will

25 provide the data, and I think if someone
wants

1 Ilgren

2 that information, I am perfectly happy to
tell

3 them which animals --

4 Q. You have such a table?

5 A. With -- in my raw files?

6 Q. Yes.

7 A. Probably, sure.

8 Q. What lethal nonpulmonary
tumor did

9 female rat number 11 in table 2-A get?

10 A. Lethal -- oh, one couldn't
tell

11 because N/A means not available. They
said in the

12 autopsy description that the animal was
largely

13 cannibalized. That was the only one.

14 Q. Where does it say N/A?

15 A. Are you looking at table 2-A?

16 Q. 2-A females?

17 A. You are looking at female
number 2.

18 Q. No, number 11.

19 A. Oh, 11, I'm sorry. And
what's the

20 question?

21 Q. What was that thing?

22 A. In terms of a primary tumor
or in

23 terms of what was what thing?

24 Q. Well, you have got a question
mark in

25 parenthesis and two exclamation points. I
am

898

1 Ilgren
2 wondering what that is. It is either some
3 horrible tumor that is too bad to mention
or it
4 is --

5 A. Oh, I see what that was. I
just
6 wanted to indicate that when you looked at
the

7 autopsy report, Mr. Brownson, there was an
actual

8 page for each animal. There was an
autopsy report

9 which gave the findings and all the
details, and

10 it said in the gross description that
there was a

11 lung tumor seen grossly, but when I looked
at the

12 actual slides, I couldn't see it, so
that's that

13 strange notation there.

14 Q. Going back to table 2-A and
2-B?

15 A. Yes.

16 Q. The heading of each of those
two

17 tables where we -- where you lay out all
these

18 tumors for the Canadian asbestos, it says
19 "Nonpulmonary neoplasia."
20 Are there also pulmonary
tumors
21 reported someplace?
22 A. Hang on. I'm sorry, go back
to --
23 Q. In both tables 2-A and 2-B,
pages 20
24 and 21 --
25 A. Oh, what I am trying to say
there is

899

1 Ilgren
2 that the table 1 is supposed to talk about
just
3 pulmonary neoplasia.
4 Table 2 is supposed to also
indicate
5 it should actually be after the Coalinga.
6 Do you see that on a
"Lifetime Test:"
7 And the heading, it should be "Pulmonary
and
8 Nonpulmonary Neoplasia."
9 Q. So that's just an error?
10 A. Yes.
11 Q. But again I don't want to
beat a dead
12 horse on this. We have no data here as to
the

13 nonpulmonary neoplasias anyplace, whether
in table

14 1 or in table 2-A or 2-B with respect to
the

15 Coalinga-exposed rats.

16 A. Not in this paper, no.

17 Q. Now, I am looking at table
2-B. I

18 note that, for example, with respect to
the male

19 rats, it says there are 8.3 percent
probably

20 lethal nonpulmonary tumors, do you see
that?

21 A. I beg your pardon?

22 Q. Table 2-B?

23 A. I see that. 8.3 percent.

24 Q. Those are the nonpulmonary
tumors?

25 A. 8.3 percent, 2/24 probably
lethal

900

1 Ilgren

2 nonpulmonary tumors. What is your
question on

3 that?

4 Q. I am just saying that's what
it says

5 there.

6 A. That's what it says there.

7 Q. First of all, were these
tables put

8 together by you or were these again taken
out of

9 some --

10 A. No, I put these together.

11 Q. Why did you write that
there? Why

12 did you write probably lethal nonpulmonary
tumors?

13 I mean what significance does that have?

14 A. I have to go back to the text
to

15 refresh my memory on this point. I think
that

16 discussion has to do with competing causes
of

17 death in trying to understand a bit more
fully why

18 the lung tumor incidence of the females
appeared

19 to be much more lower than the males. Are
you

20 with me on that?

21 Q. O.K.

22 A. And the -- well, for example,
look in

23 table 2-B, if you look under female No.
13, female

24 No. 14, female No. 18 or the female No.
25, you

25 had these huge, often metastatic tumors
which

901

2 clearly were lethal, and I was just trying
to

3 understand what, you know -- whether the
females

4 were developing these tumors earlier and
that that

5 was causing a reduction in survival as
opposed to

6 the asbestos.

7 Does that make any sense to
you?

8 Q. Well, I guess it does, but
again we

9 don't have that sort of information with
respect

10 to the Coalinga-exposed rats, do we,
anywhere in

11 these papers?

12 A. No.

13 Q. Now, I am going to results,
which is

14 at page 22.

15 A. All right.

16 Q. And again we are talking
about --

17 you're talking about the incidence of
primary

18 pulmonary tumors in the Coalinga-exposed
rats

19 whose slides you examined. You had 51
rats. You

20 examined for tumors for -- slides of rats
you

21 examined for tumors, right?

22 A. Right.

23 Q. And you had two tumors and
you

24 calculated that out to be 3.9 percent?

25 A. Right.

902

1 Ilgren

2 Q. Now, earlier back in paper
No. 1 at

3 table 8 remember, we noted in that
right-hand

4 column, you had noted two tumors out of 90

5 Coalinga-exposed rats, and my question is
did you

6 examine the slides of 51 rats or 90 rats
for

7 tumors?

8 A. No, 51 rats.

9 Q. So again, going back to part
1, that

10 is an error. That should be changed to 2
of 51

11 and 2 of 90?

12 A. No, the data presented -- you
are

13 talking about paper 1, table 8.

14 Q. Right, table 8.

15 A. It should be 80 as opposed to
90,

16 just to standardize that with the rest of
the

17 studies that were under comparison. Table
8,

18 "Summary of Selected Inhalation
Bioassays," is

19 that what you are talking about?

20 Q. Yes.

21 A. The studies which are
respective to

22 short, long, chrysotile, et cetera, are
the

23 studies of John Davis, and in those
studies the

24 denominator or 40 is the number of animals
which

25 he actually started out with in his
original

903

1 Ilgren

2 group, and so I would say that the number,
the

3 comparable starting number, so to speak,
in this

4 instance, I guess would be 80.

5 Q. But the question is was it
80? In

6 other words, you didn't examine 80, the
slides of

7 80 dead rats to see if they had tumors.
You only

8 examined the slides of 51 dead rats to see
if they

9 had tumors?

10 A. I don't know what the
comparable

11 number would be for the denominators in
the Davis

12 study? In other words, he probably
examined less

13 than 40 as well. Do you understand?

14 Q. I guess I understand what you
are

15 saying, but you don't know what he
examined or

16 didn't examine?

17 A. I would have to go back to
the

18 original papers.

19 Q. But we do know what you
actually

20 examined and you examined the slides of 51
dead

21 rats for tumors?

22 A. Right.

23 Q. Now, under your results again
on page

24 22.

25 MR. WILL: Excuse me one
second.

904

1 Ilgren

2 (Counsel confers with
witness.)

3 MR. WILL: Go ahead.

4 Q. I am now looking at the
results, page

5 22?

6 A. O.K.

7
second

Q. And you say there in the

8 paragraph, "The 2 Coalinga-treated
tumor-bearing

9 animals had fibrosis scores of 3.0 which
were

10 probably overestimates due to concomitant
uraemic

11 pneumonitis and leukaemic infiltration."

12 Do you see that?

13 A. Yes.

14 Q. Again, unless I am missing
something,

15 I don't see the data in a paper where we
can see

16 that. Is that presented somewhere?

17 A. Just in my notes.

18 Q. If there had been a table 2-C
showing

19 the Coalinga-exposed rats, we would have
seen that

20 sort of data on the table?

21 A. No.

22 Q. Well, the table has the
fibrosis

23 scores?

24 A. Well, the fibrosis score can
be put

25 in text, but you wouldn't have seen the

3 example.

4 Q. But we could have determined
what the

5 fibrosis scores were of the two
tumor-bearing

6 animals and we could look at all the other

7 fibrosis scores and we could see that,
couldn't

8 we?

9 A. Well --

10 Q. And we could see something
about the

11 leukemic infiltration since that is a
nonpulmonary

12 tumor of the sort of thing you described
in tables

13 2-A and B, couldn't we?

14 A. If I felt it was important,
you know,

15 I would have put it in. I didn't feel it
was a

16 major confounder. I mean I just --

17 Q. Well, then why do you say it
was a

18 confounder? You say it was an
overestimate?

19 A. Well, a confounder in the
sense that

20 it didn't prevent one from scoring. It
wasn't the

21 kind of extensive pneumonitis or
infiltration that

22 precluded scoring. I mean this was not
the sort

23 of thing that one would put down as

prevent slides

24 from being read. This was just something
that was

25 also there, and I just wanted to tell the
reader

906

1 Ilgren

2 that the additional cellularity due to the
3 pneumonia and due to the leukemia probably
4 increased the so-called fibrosis score.

5 You see the fibrosis score of
3. Do

6 you know the scoring system for the Wagner
grade?

7 Do you know the scoring system?

8 Q. I have read it?

9 A. Well, there is eight grades,
but the

10 first three are actually cellular grades.
4, 5,

11 6, 7 and 8 are so-called fibrosis, so a
so-called

12 fibrosis score of 3 really just denotes
increased

13 cellularity and the pneumonitis increases
cells

14 and the leukemia increases cells. It
doesn't --

15 it doesn't causes fibrosis per se. It
just adds

16 additional cells so I am --

17 Q. O.K.

18 A. O.K. So I am saying that you
have an

19 additional level of cellularity on top of
-- on

20 top of this lung which was clearly due to
leukemia

21 and the uraemic edema and the pneumonitis.

22 Q. It is also true that some of
the

23 Canadian chrysotile asbestos-exposed rats
had some

24 of this additional cellularity caused by
several

25 things as well which could have raised
their

907

1 Ilgren

2 fibrosis cells?

3 A. We are perhaps talking at
cross

4 purposes here. That's why I asked you if
you knew

5 the grading system.

6 Q. Let me ask that question.

7 Isn't it true that some of
those rats

8 also had these -- things that you describe
here in

9 these two Coalinga rats?

10 A. Yes. The point I am trying
to make

11 here, if you look at tables 2-A and 2-B,
you are

12 dealing with so-called scores -- let's put
the

13 word "fibrosis" aside for one moment --
so-called

14 scores of 5 and above, and almost every
single

15 instance where a score can be assigned,
and so it

16 is not a question of whether additional
cells is

17 going to increase the score as such. It
is a

18 totally different situation. Does that --
is that

19 clear?

20 Q. I am not sure it is clear.

21 MR. WILL: Additional cells
doesn't

22 affect 4, 5 and 6 scores, is that
right?

23 A. You go from minimal to
moderate to

24 moderately severe cellularity with respect
to

25 Grades 1, 2 and 3.

908

1 Ilgren

2 For Grade 4, you get what is
called

3 cuboidalization of the alveolae.

4 For Grade 5, you get an
increased

5 collagen definition.

6 For Grade 6, you get a
linking of the

7 lovules.

8 And for 7 and 8, you get a
massive

9 laying down of fibrosis.

10 But Grades 4, 5, 6 and 7
don't entail

11 in the definition of those scoring grades
an

12 increase in cells. O.K.

13 Q. O.K.

14 A. I know it is difficult
conceptually

15 to visualize this, but it is the clearest

16 explanation I can give.

17 Q. Let's continue then with the
data you

18 then are presenting, is the tumor rates
and the

19 number of tumors in the surviving rats
from the

20 experiments whose slides you looked at,
right, the

21 lifetime surviving rats?

22 A. Right.

23 Q. Because then you go on to say
in your

24 results, and I am now reading over on the

25 right-hand column on page 22, "The
incidence of

1 Ilgren

2 tumors in the interim sacrifice animals
could not

3 be determined precisely since some of the
records

4 were missing."

5 A. Well, the 3 and 12-month
animal lungs

6 were not available for review. They were
lost.

7 We couldn't find them.

8 The 24-month animals for
controls and

9 for the UICC/B, if I have this right, had
already

10 been reported. Then there was some other

11 statement, I believe, in Kent Pinkerton's
thesis

12 about tumors in the 24-month interim
animals.

13 Q. How about the 3 and 12-month
animals?

14 A. There has been no -- well, if
you

15 look at McConnell et al. 1984, he
basically says

16 that tumor incidence or neoplasia is very
low

17 before 24 months. But there is no --
there is

18 no -- there is no -- the answer to your
question

19 is there is no data on the 3 and 12-month.

20 Q. So you're speculating that
there is

21 probably no more than one tumor in the

22 Coalinga-exposed rats who were killed as
part of
23 the original experiment, but you don't
know that
24 for sure?
25 A. I am just reading my sentence
here.

910

1 Ilgren
2 I just want to see what I am referring to
here in
3 reference 19 and 20.
4 MR. WILL: I just want to
object to
5 the form of the question, to the
use of the
6 word "speculate." His report says
the
7 available data suggests, and I
think it
8 strongly suggests and I think that
is
9 different than speculating.
10 A. I need to go back. I can't
recall --
11 if you look on page 30 under "References,"
there
12 is 19 and 20, I can tell you that from the
thesis
13 Kent Pinkerton said that no more than 0.1
percent
14 of the Coalinga-treated animals had any
tumor

15 tissue in the lung among the ones he saw,
and he

16 wouldn't have been able to say whether
they were

17 primary or secondary, plus he included
under the

18 definition of tumor he had metaplasia, and

19 neoplasia, do you understand?

20 So it is unclear what he was
talking

21 about.

22 As far as reference 20, I
would have

23 to go back and see. You know, I just
don't recall

24 what I am referring to when I say the
available

25 data strongly suggests an absence of
tumor. I

911

1 Ilgren

2 just don't recall.

3 Q. But you are willing to put
out in the

4 text of your paper that it was a strong

5 suggestion, even though you don't know
exactly

6 what it is?

7 A. I just can't remember sitting
here

8 today. I can't recall.

9 Q. And I guess you couldn't
recall when

10 you wrote the paper either because you
said you
11 couldn't tell precisely, but you didn't
think it
12 was more than one?

13 A. Yeah, but I can't establish
sitting
14 here today, or I can't recall what was in
the NTP
15 documents that made me, you know, come to
that
16 conclusion, so I just have to check that.

17 Q. Now, you make an interesting
18 statement on page 24 under the heading
"Other
19 Studies with Coalinga Chrysotile."

20 You are talking about this
Muhle
21 paper again. You say, "These workers
chose
22 Coalinga as their 'positive' control since
they
23 were unable to obtain sufficient amounts
of
24 Canadian chrysotile for a bioassay"?

25 A. That's correct.

912

1 Ilgren
2 Q. Is that what -- and then you
say
3 that's from a personal communication in
1988?

4 A. That's correct.

5 Q. Is that what Muhle actually
said,

6 that he couldn't get Canadian chrysotile?

7 A. He said he couldn't at that
time get

8 the several-kilogram amount he needed to
do the

9 inhalation. He could do enough to do the

10 injection, which is why he contacted NIOSH
and

11 asked them if he could get the other
material.

12 Q. Now, I am now turning to page
25.

13 Let's go back to page 23 of part 2 of the
paper.

14 At the top of 23 is table 4.

15 MR. GERSON: On top of what
page?

16 MR. BROWNSON: 23.

17 A. I just lost 23 for some
reason. O.K.

18 I have got it.

19 Q. And without quoting that
title in

20 detail, basically what you are reporting
is

21 changes in cell volume among the different
rats,

22 exposed to the different types of
asbestos,

23 correct?

24 A. And number, yes.

25 Q. And this is a table you got

out of

913

1 Ilgren

2 Pinkerton's data, this is not your data?

3 A. Right, and the other sources
here.

4 But that's correct.

5 Q. And if you look down in the
text on

6 page 23 where you are talking about that
increases

7 in cell volume, and I am looking now in
the

8 right-hand column, you are making these
different

9 comparisons with fferent types and you are
talking

10 about, among other things, the Jeffrey
asbestos

11 increasing cell volume, right?

12 A. Just remind me again where
you are in

13 the text, is it over on page --

14 Q. 23, in the second column.

15 A. O.K., right. I got it.

16 Q. When I look at table 4, I
don't see

17 anything above Jeffrey asbestos. Where is
that?

18 A. That's another when they did
the

19 editorial -- well, there has been an
editorial

20 deletion of the Jeffrey data column here,
and they

21 are going to publish that as an erratum,
so the

22 Jeffrey had not been included in here.

23 MR. WILL: That was sent to
the

24 paper.

25 A. That was sent to the paper,
and they

914

1 Ilgren

2 put the table vertical instead of
horizontal and

3 deleted it.

4 Q. Didn't you catch that when
you read

5 the galleys?

6 A. Well, it didn't come back
that way.

7 Where is page 22? You see page 22?

8 Q. So when it came back up to
you, the

9 Jeffrey was in there but when they
published it,

10 it got cut off?

11 A. Right. You see how they have
12 arranged the header on table 3?

13 Q. Yes.

14 A. And you see how they have
arranged it

15 in table 4?

16 Q. Yes.

17 A. Well, they put the header to
the left

18 of the column.

19 Q. So in any event, that slipped
through

20 and nobody caught it and it got cut off
and now we

21 don't have it available and again if the
careful

22 reader looks for the Jeffrey column, he
doesn't

23 find it?

24 A. Right.

25 Q. Let me go back on table 1 in
the same

915

1 Ilgren

2 paper, part 2 and you know we talked at
some

3 length about the controls where we had --
you

4 report two tumors, but then when we look
for the

5 different categories "00." Is that
something else

6 that slipped through the galley --

7 MR. GERSON: I object to
that form.

8 Q. Well, I am wondering is that
just an

9 error that slipped through or I mean did

you --

10 A. I don't know. I don't know.
I am as

11 surprised to see it as you are.

12 Q. Now, with respect to table 4,
which

13 is at page 23, which is the increase in
cell

14 volume table, again, I don't want to go
through

15 this in detail because your paragraph is
-- the

16 text is almost a full page long, but
essentially

17 you say that this table supports your
conclusion

18 that the Coalinga-exposed rats weren't
getting

19 tumors, right?

20 A. Do I say that -- can you
point out

21 where I say that?

22 Q. Otherwise why would it be in
the

23 paper? It says that they don't get
tumors, what's

24 the point of having it here if it doesn't
support

25 your --

916

1 Ilgren

2 A. It is consistent with the
idea

3 that -- or it is consistent with the tumor
data.

4 I think that's what you are trying to say?

5 Q. Right.

6 A. O.K.

7 Q. Now, my question is this: As
I read

8 this again, these scores are kind of all
over the

9 map but, for example, at 12 months, the
controls

10 have 57 for the report of volume plus or
minus 8,

11 but the Coalinga has 118 plus or minus
50. That

12 looks to me like a pretty big increase?

13 A. Which one are you on, table 4
or 3?

14 Q. I am on table 4.

15 A. O.K., and which are you on,
cell

16 volume?

17 Q. I am looking at the 24-month
cell

18 number.

19 A. Cell number, O.K.

20 Well, like I said before, you
know,

21 the statistical comparison based on the
sample

22 size, the standard deviation and the
confidence

23 interval suggests that there is no
significant

24 difference between these two.

25 Q. It also suggests that there
is no

917

1 Ilgren
2 difference between the controls and the
chrysotile
3 and the UICC/B? That's even lower than
the
4 Coalinga?
5 A. But the confidence interval
was
6 very -- it is plus or minus 7.
7 Q. And of course we don't know
what the
8 Jeffrey is because the editors cut that
one off.
9 If we looked then at cell volume, which is
higher
10 up in the table again, for example, and I
will
11 look at some of these at 24 months, the
control
12 males are 28 plus or minus 9, and the
13 Coalinga-exposed rats are 62 plus or minus
15.
14 Wouldn't you call that a significant
increase?
15 A. No, I mean the significant --
the
16 numbers were put into, as it indicates in
the
17 legend of the table, the various
statistical tests

18 which are Dr. Duncan's multiple comparison
tests,

19 for example, and when the data were put
into that

20 statistical package, there was no
difference found

21 between the control and Coalinga.

22 Q. Who put the data into that

23 statistical package?

24 A. Kent Pinkerton.

25 Q. But be that as it may, when
we look

918

1 Ilgren

2 at these columns virtually -- in virtually
every

3 instance, the Coalinga cell volume and
cell number

4 is largely increased and the UICC/B cell
volume

5 and cell number is largely increased, but
it is

6 about the same as the Coalinga, it is not

7 dramatically different, is it?

8 A. Sorry, I am getting a bit
tired, just

9 ask me again.

10 Q. Well, as we look at table 4,
you say

11 that this shows that Coalinga controls are
about

12 the same and UICC/B, to quote the text, as
larger

13 yet when you look at both cell volume and
cell
14 numbers put in table 4, it looks to me
like
15 Coalinga and UICC/B are increased and in
about the
16 same amount, isn't that correct?
17 A. Well, all I can tell you is
what I
18 said before. There are statistical
differences
19 here. There is trends here. The overall
20 difference between control and Coalinga on
the
21 basis of the statistical analysis and in
22 consideration of the size and in
consideration of
23 the confidence interval suggests that
there is
24 little or no difference between control
and
25 Coalinga. It is unfortunate we don't have
the

919

1 Ilgren
2 Jeffrey data to compare, but with respect
to
3 control and Coalinga, that's what I would
--

4 Q. So you are saying that if a
competent

5 statistician looked at data set out in
table 4

6 that he would conclude that control and
Coalinga

7 are about the same and UICC/B is much
greater?

8 A. It would be greater to the
degree of

9 significance as indicated in the
superscripts

10 using the various tests.

11 Q. Turning to page 25 --

12 A. Yes.

13 Q. -- you talk about various
injection

14 studies which in your mind "have
convincingly

15 demonstrated Coalinga's lack of
carcinogenicity,"

16 correct?

17 A. Yes.

18 Q. Now, you then, however,
criticize

19 injection studies by Maltoni for a number
of

20 grounds, correct? You don't find that one
to be

21 persuasive?

22 A. On a number of grounds.

23 Q. Right, and of course you
don't agree

24 with the results either because he found
that

25 Coalinga was -- did cause tumors on
injection,

1 Ilgren

2 didn't he, in his studies which were
published

3 twice, by the way, correct?

4 A. Correct.

5 MR. GERSON: What are you
asking?

6 Is it correct that they are
published twice

7 or --

8 A. Maltoni et al. in 1982, and
Minardi

9 1984.

10 Q. You are not saying that Dr.
Maltoni

11 is not a competent experimental animal
researcher,

12 are you?

13 A. I am not saying anything, but
what is

14 in the text is indicated by these specific

15 criticisms.

16 Q. And one of your criticism of
course

17 is you are not sure if this asbestos that

18 Coalinga -- that Maltoni injected his rats
with

19 was Coalinga or not, right?

20 A. That's right.

21 Q. And putting aside the fact
that, of

22 course it was Coalinga, you state it could
have

13
others.

MR. WILL: Well, there are

14 A. There are others, and it is
the

15 state -- serpentine happens to be the
state

16 mineral.

17 Q. Well, assuming it was, you
still

18 would have other criticisms, don't you?

19 A. Yes.

20 Q. You don't level any
criticisms, for

21 example, at Muhle's injection studies that
I can

22 read here, do you?

23 A. No.

24 Q. And you don't level any
criticisms at

25 Pott's injection studies?

923

1 Ilgren

2 A. As laid out in the studies
you are

3 referring to in table 5?

4 Q. Right.

5 A. No.

6 Q. You would agree with me, Dr.
Ilgren,

7 that animal injection studies with
Coalinga

8 chrysotile, some show lack of tumors and
some show

9 tumors, right?

10 A. Correct.

11 Q. O.K. And what you have done
here is

12 criticize those that show tumors and you
accept

13 those that do not, haven't you?

14 A. Will you say that again.

15 Q. What you have done in this
paper is

16 criticize those injection studies that
show tumors

17 but you accept those that do not show
tumors?

18 Because I see no criticism of those
studies.

19 A. I didn't find any -- I didn't
find

20 any major problems with these particular
injection

21 studies.

22 Q. Well, for example, you
criticized the

23 Suzuki studies on a number of grounds?

24 A. Yes.

25 Q. You described that, for
example, as

924

1 Ilgren

2 New York/Japanese collaborative group?

3 A. That's right.

4 Q. Where do you get that from?

5 A. Suzuki is based in New York
and

6 Kohyama is based in Tokyo.

7 Q. But when that study was done,
they

8 were both in Mt. Sinai in New York,
weren't they?

9 A. I don't know.

10 Q. Shouldn't you have checked if
that is

11 something you put in your text?

12 A. Correspondence with Kohyama
and

13 Tokyo, I assume he is based in Tokyo.

14 Q. Of course that study was
published in

15 '83, wasn't it?

16 A. Well, I have just assumed
rightly or

17 otherwise that he was in Tokyo.

18 Q. Then you also say that study
is

19 confounded, among other things, by

20 "supra--maximum-tolerated-dose effects"?

21 A. Correct.

22 Q. And for that proposition, you
cite

23 reference 29 which is the Oberdorster
paper I

24 referred to earlier, correct?

25 A. Right.

1 Ilgren

2 Q. Would you agree with me, Dr.
Ilgren,

3 that you can read the Oberdorster paper
from front

4 to end and you will never see that scary
term?

5 A. I don't know. I haven't read
it in

6 some time, but if you say so, then I would
accept

7 that.

8 MR. WILL: By "scary term,"
you mean

9 "supra-maximum tolerated dose
effects"?

10 MR. BROWNSON: Right.

11 Q. Now, I am looking at the
appendix on

12 page 29, and my first question is very
simple.

13 Why is this here?

14 A. Why is this here?

15 Q. Right. What is it? I can't
figure

16 out what it is.

17 A. O.K. In 1981, a panel of
18 pathologists was convened in Wales to
review the

19 same slides from a part of the
collaborative

20 study.

21 Do you know what I mean when
I say
22 the collaborative study? It is the study
where,
23 for example, the MRC dusted animals with
UICC/B
24 and the NEIHS dusted animals, and they did
them
25 concurrently. And this table is a
modified

926

1 Ilgren
2 version of a table I got from Chris Wagner
from
3 his, I guess, document repository or
archive, and
4 I just wanted to indicate that there was
some
5 difference in opinion when certain
pathologists
6 looked at the same slide in some cases.
7 Q. But I guess my question is
the text
8 of this paper doesn't talk about what this
is, so
9 I was confused because it just kind of
sits there
10 at the end?
11 A. All right.
12 Q. Is there anything in the text
that
13 explains anything about this appendix?
14 MR. GERSON: Other than the

15 notations on the appendix.

16 A. I have to go through the
paper and

17 check.

18 Yeah, it is on page 26,
column 2. It

19 starts at the top of the page. Actually,
if you

20 look at the bottom of column 1, it says,"
Wagner

21 et al. also examined untreated and
UICC/B-treated

22 animals maintained in a manner identical
to those

23 of McConnell et al. and observed tumors
yields

24 similar to ours."

25 Then I have, "Small
discrepancies in

927

1 Ilgren

2 tumor yields between our own analysis and
those of

3 McConnell et al. and Wagner are
potentially

4 attributable to interobserver variation.
This is

5 well supported by the findings of 13
independent

6 pathologists from 9 institutions who
reviewed 20

7 tumor cases from the comparative study."

8 Q. Just so we are clear, the

comparative

9 study was not this review of the slides in
1995

10 through 1998, that was the --

11 A. Original 1984, right.

12 Q. And it also included that one
over in

13 England, the one here and the one in
England?

14 A. Yes, it did.

15 MR. WILL: The next sentence
of the

16 article says, "Diagnostic variation
was

17 particularly notable (Appendix)."
That's

18 the reference.

19 Q. Then if we look at the
appendix, we

20 note that apparently after a meeting
between these

21 many eminent pathologists, many diagnoses

22 reclassified after the meeting were BAH,
so once

23 again that term appears, correct?

24 A. Yes. Particularly in the
direction

25 of tumors being reclassified from tumor to
BAH.

928

1 Ilgren

2 That seems to have been the major trend.

3 Q. Dr. Ilgren, you obviously
have

4 extensively reviewed the medical
literature as

5 apparent from your references. In your
long and

6 extensive review of the literature, have
you ever

7 seen a drinking parable in your scientific
paper

8 other than this one you threw in here?

9 A. A drinking parable?

10 Q. You talk about the man drinks
11 whiskey, and then he is drinking gin, and
that's

12 at page 29 of part 2?

13 A. You don't like it? You don't
happen

14 to like that?

15 Q. Well, whether I like it or
not, I am

16 just curious because I have never seen a
drinking

17 parable in a peer-reviewed scientific
paper. And

18 I can ask that as a serious question.

19 Have you ever seen a drinking

20 question in a peer-reviewed scientific
paper?

21 A. I don't think I have ever
seen a

22 drinking parable in a scientific paper.

23 Q. And now I want to turn -- I
want to

24 follow up one final thing on part number

2.

25
before

Did you proofread the galleys

929

1 Ilgren

2 this was published?

3 A. Yes.

4 Q. And is the same true with
part number

5 1?

6 A. Yes.

7 Q. I now want to turn my
attention to

8 part 3 --

9 MR. WILL: Let's take a
break.

10 (Document entitled "Coalinga
Fibre-A

11 Short, Amphibole-Free Chrysotile
Part 1"

12 marked Defendant's Exhibit 36 for
13 identification, as of this date.)

14 (Document entitled "Coalinga
Fibre-A

15 Short, Amphibole-Free Chrysotile
Part 2"

16 marked Defendant's Exhibit 37 for
17 identification, as of this date.)

18 (Continued on the next page)

19

20

21

22

23

24

25

930

1 Ilgren

2 (Document entitled "Coalinga
Fibre-A

3 Short, Amphibole-Free Chrysotile
Part 3"

4 marked Defendant's Exhibit 38 for

5 identification, as of this date.)

6

7 (Time noted: 5:15 p.m.)

8

9 Subscribed and sworn to before me

10 this _____ day of _____, 1998.

11 _____

12

13

14

15

16

17

18

19

20
21
22
23
24
25

931

1

2

C _ E _ R _ T _ I _ F _ I _ C _ A _ T _ E

3

4

STATE OF NEW YORK)
) ss.:
COUNTY OF NEW YORK)

6

Shorthand

7

and for

8

certify:

9

the

10

the within

11

12

13

not

14

any of

15

I, NANCY R. SULLIVAN, a

Reporter and Notary Public within

the State of New York, do hereby

I reported the proceedings in

within-entitled matter, and that

transcript is a true record of such
proceedings.

I further certify that I am

related, by blood or marriage, to

the parties in this matter and that

I am
16

in no way interested in the outcome
of this
17

matter.
18

IN WITNESS WHEREOF, I have
hereunto
19

set my hand this _____ day of
_____,
20

1998.
21

22

NANCY R.
SULLIVAN
23

24

25

932

1

2 August 13, 1998

3

I N D E X

4

WITNESS

PAGE

5

Edward
Ilgren
6

704

7

8

E X H I B I T S

9

FOR
IDENTIFICATION

Page

10		
Coalinga	36	Document entitled
11	929	Fibre-A Short, Amphibole-Free Chrysotile Part 1
12		
Coalinga	37	Document entitled
13	929	Fibre-A Short, Amphibole-Free Chrysotile Part 2
14		
Coalinga	38	Document entitled
15	930	Fibre-A Short, Amphibole-Free Chrysotile Part 3
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		