

0001

1 SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF NEW YORK

2

3

STEPHANIE FLECKNER, as Proposed Executrix
4 for the Estate of JAY K. FLECKNER and
STEPHANIE FLECKNER, individually,

5 Index No.
vs. 113970-04

6

AMCHEM PRODUCTS, INC., et al.

7

8

9

and

10

11 LOUISE RAVAGE MASS, as Proposed Executrix
for the Estate of NORMAN D. MASS and
12 LOUISE RAVAGE MASS, individually

Index No.
13 vs. 101931-04

14 AMCHEM PRODUCTS, et al.

15

16

Deposition Under

17 Oral Examination

of DR. THOMAS A. SPORN

18

19

20

21

22

23

PRIORITY-ONE COURT REPORTING SERVICES, INC.

24 899 Manor Road

Staten Island, New York 10314

25 (718) 761-0527

0002

1 Transcript of the deposition of
2 DR. THOMAS A. SPORN, called for Oral
3 Examination in the above-captioned matter, said
4 deposition being taken pursuant to the Federal
5 Rules of Civil Procedure by and before Michele
6 Cannata-Smith Court Reporter and Notary Public
7 in and for the State of New York; taken at
8 Millennium Hotel, Durham, North Carolina, on
9 November 15, 2005, commencing at 9:48 a.m.

10

11

12

13

14

15

16

17

18

19

20
21
22
23
24
25
0003

1 A P P E A R A N C E S:
2 JERRY KRISTAL, ESQ.
WEITZ & LUXENBERG
3 180 Maiden Lane
New York, New York 10038
4 Appearing for the Plaintiffs
5 PAUL SCRUDATO, ESQ.
SCHIFF HARDIN, LLP
6 623 Fifth Avenue, 28th Floor
New York, New York 10022
7 Appearing for the Defendant
Arkema
8
DIANE H. MILLER, ESQ.
9 MARIN GOODMAN, LLP
40 Wall Street, 57th Floor
10 New York, New York 10005
Appearing for the Defendant
11 Kerr Corp.
12 AL SARGENTE, ESQ.
HARRIS BEACH, LLP
13 805 Third Avenue
New York, New York 10022
14 Appearing for the Defendant
Henry Schein
15
EDWARD STARISHEVSKY, ESQ.
16 MALABY, CARLISLE & BRADLEY, LLC
150 Broadway, Suite 600
17 New York, New York 10038
Appearing for the Defendant
18 Viacom
19 JOSEPH DiGREGORIO, ESQ.
BARRY, MCTIERNAN & MOORE
20 2 Rector Street, 14th Floor
New York, New York 10006
21 Appearing for the Defendants
Whip Mix, Fulton Boiler
22
DANIEL CORDE, ESQ.
23 JONES HIRSH CONNORS & BULL, PC
One Battery Park Plaza
24 New York, New York 10004
Appearing for the Defendant
25 NYU
0004
1 IT IS HEREBY STIPULATED AND
2 AGREED by and among the attorneys
3 for the respective parties hereto
4 that filing, sealing and

5 certification of the within
6 Examination Before Trial be waived;
7 that all objections, except as to
8 form, are reserved to the time of
9 trial.

10 IT IS FURTHER STIPULATED AND
11 AGREED that the transcript may be
12 signed before a Notary Public with
13 the same force and effect as if
14 signed before a Clerk or Judge of the
15 Court.

16 IT IS FURTHER STIPULATED AND
17 AGREED that the within examination
18 may be utilized for all purposes as
19 provided by the CPLR.

20 IT IS FURTHER STIPULATED AND
21 AGREED that all rights provided to
22 all parties by the CPLR shall not be
23 deemed waived and the appropriate
24 sections of the CPLR shall be
25 controlling with respect thereto.

0005

1 IT IS FURTHER STIPULATED AND
2 AGREED by and between the attorneys
3 for the respective parties hereto
4 that a copy of the Examination shall
5 be furnished, without charge, to the
6 attorney representing the witness
7 testifying herein.

8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

0006

1 DR. THOMAS ARTHUR SPORN, after being
2 duly called and sworn, testified as follows:

3

4 EXAMINATION BY MR. KRISTAL:

5

6 Q. Good morning, Dr. Sporn. For the

7

record, my name is Jerry Kristal. How are you
8 this morning?

9 A. I'm well, thanks. How about yourself?
10 Q. Good. Thank you. I represent Norman
11 Mass and Jay Fleckner. And you understand
12 you're here to be deposed on those two cases?
13 A. Yes, sir.
14 Q. Okay. Most important rule, if you
15 don't understand what I'm asking, please let me
16 know. I'll try to rephrase it, reword it, redo
17 the question, so that you do understand it.
18 Because if you're answering questions I'm going
19 to assume that you understand what I'm asking.
20 Is that fair?
21 A. Certainly.
22 Q. Okay. Have you been deposed before in
23 an asbestos case?
24 A. Yes, sir.
25 Q. How many times?
0007
1 A. Two or three times.
2 Q. When was the first time approximately?
3 A. 1999.
4 Q. And the other times?
5 A. Would have been I think in 2000 or
6 2002.
7 Q. Have you ever given testimony in court?
8 A. Yes, I have.
9 Q. Were those on the cases that you had
10 been deposed on or different ones?
11 A. None of those were on asbestos-related
12 cases.
13 Q. I'm only interested in asbestos.
14 A. Oh, okay. No.
15 Q. At least in my purposes today. I don't
16 care about other depositions. Have you ever
17 been deposed in an asbestos case?
18 A. Yes.
19 Q. The testimony -- the topics you
20 testified in were not in asbestos cases; is
21 that --
22 A. Yes. The cases I mentioned to you, the
23 depositions I spoke of earlier, were all
24 asbestos cases. But in answer to your other
25 question, I've never given testimony in court in
0008
1 an asbestos-related case.
2 Q. Were the diseases in the three cases in
3 which you've previously been deposed is the
4 allegation that they were mesothelioma?
5 A. Yes, sir.
6 Q. On whose behalf were you testifying in
7 those cases?
8 A. Plaintiff.
9 Q. And in those cases you were rendering
10 an opinion that -- it was your opinion that the
11 asbestos exposures those individuals had
12 contributed to the development of the
13 mesothelioma, in part?

14 A. It's my recollection that my testimony
15 in those cases were both about confirmation of
16 disease and causation with respect to asbestos
17 exposure.

18 Q. Had there been any sort of fiber burden
19 studies of any kind in those -- in any of those
20 three cases?

21 A. Yes, there was. In one of them there
22 was.

23 Q. And in the other two?

24 A. I can't recall.

25 Q. Could you tell me the plaintiff's

0009

1 attorneys?

2 A. The one that -- I can't remember the
3 gentleman's name, but it was -- the firm was
4 Motley Rice from South Carolina.

5 Q. All three?

6 A. No. I think the other two were local
7 North Carolina firms. And again, I just can't
8 recall.

9 Q. Do you have any records of those? In
10 other words, if I wanted to contact the
11 plaintiff's lawyers to get copies of your
12 transcripts -- if you were going to do that,
13 what would you do to do that?

14 A. I would -- in response to your
15 subpoena, I looked through my records and I was
16 unable to produce those. I would have to go
17 back and look additionally to try to find them.

18 Q. Okay. I was informed that you had done
19 some kind of preliminary search and were unable
20 to locate them. And are you saying there are
21 other places you may be able to look, and if you
22 can, I'd ask you to do that pursuant to the
23 notice, and give them to Paul and Paul will
24 forward them to me.

25 A. There are additional ways of looking

0010

1 back through our database. It takes some time,
2 but I might be able to do that.

3 Q. If you could do that within a
4 reasonable period of time in terms of your time,
5 I would ask you to do that.

6 But independent of that, if you were to
7 try to locate them outside, how would you go
8 about doing that? Are there billing records?

9 A. I think there were -- back to our
10 database regarding mesothelioma, there's a way
11 of searching the computer database about
12 mesotheliomas that I looked at between 1996 and
13 2000 searching the computer log and searching my
14 office records.

15 Q. And the computer database would tell
16 you what?

17 A. It would give me a list of
18 mesotheliomas that I looked at. That if I

19 pulled those reports and see, and that would jog
20 my memory.

21 Q. So you do have reports on those cases
22 somewhere stored?

23 A. Yes.

24 Q. Okay. Was it in the 1999 time frame
25 that you were first involved in asbestos

0011
1 litigation?

2 A. I first became involved in asbestos
3 litigation during my sort of -- my fellowship
4 with Victor Roggli in 1997.

5 Q. In what way were you involved in
6 asbestos litigation during your fellowship?

7 A. Involved with creating reports,
8 analyzing tissue, doing a workup of tumors that
9 were suspected to be mesothelioma. Learning the
10 tricks of the trade during my fellowship with
11 Dr. Roggli. The bulk of his practice is in the
12 medical/legal arena, specifically asbestos-
13 related diseases. And my fellowship had strong
14 legal overtone to it.

15 Q. What do you mean by that?

16 A. It means that the cases that we were
17 looking at and where I was learning about
18 mesothelioma and asbestos-related diseases are
19 the cases that provided educational material for
20 me that had been provided as part of a
21 medical/legal review rather than say from just
22 routine patient care matters.

23 Q. I've seen in I forget which article,
24 and you can correct me if I'm misreading it,
25 that over 90 percent of the cases that Roggli

0012
1 has reviewed that are the subject of some of the
2 papers about asbestos fiber burdens were
3 referrals from legal cases; is that correct?

4 A. It's certainly the vast majority. I
5 don't know if it's 90 percent, but it's
6 certainly the vast majority of cases that he's
7 involved with are in them.

8 Q. Let me see if I can find it. I think
9 it might be in the tremolite mesothelioma
10 article.

11 Is more than 90 percent not a number
12 you're familiar with in terms of --

13 A. Again, it's not a number that -- I've
14 never really paused to take stock of what actual
15 percentage of the cases would have been legal
16 cases. I know from having worked side by side
17 with him for the last eight years that it's a
18 very, very high number. I can't affix a
19 numerical value to that.

20 MR. KRISTAL: Off the record.

21 (Discussion off the record.)

22 (Whereupon, Sporn Exhibit 1, an
23 article, was then received and marked for

24 identification.)

25 BY MR. KRISTAL:

0013

1 Q. While we were off the record I handed
2 you an article. Let me mark it as Sporn 1.
3 Sporn 1 is the article by Roggli and others,
4 including yourself, entitled "Malignant
5 Mesothelioma and Occupational Exposure to
6 Asbestos: A Clinical Pathological Correlation
7 of 1,445 cases."

8 And on page 62 in the discussion
9 section it notes that more than 90 percent of
10 the cases referred to it -- I'll call it the
11 Roggli report -- are medical/legal cases.

12 Do you see where it says that?

13 A. Yes.

14 Q. Is that 90 percent of all the cases, or
15 90 percent of just these 1,445, or is 1,445 all
16 the cases?

17 A. 1,445 is all the cases we have in the
18 database.

19 Q. So you're not disagreeing that more
20 than 90 percent of them are medical/legal cases?

21 A. No.

22 Q. You don't know how much more than 90
23 percent?

24 A. No. And again, I couldn't recall the
25 specific number that we had set it. I know it's

0014

1 the vast majority.

2 Q. While the article is out, let me mark
3 another article because I have a question. I
4 just couldn't figure something out and I might
5 as well do it now.

6 A. Okay.

7 MR. KRISTAL: I'm marking the article
8 entitled "Tremolite and Mesothelioma" as Sporn 2
9 by Roggli and others, including yourself.

10 (Whereupon, Sporn Exhibit 2, an
11 article, was then received and marked for
12 identification.)

13 BY MR. KRISTAL:

14 Q. The tremolite and mesothelioma article
15 seems to -- did it appear in January 2002? I'm
16 trying to figure out the dates on these two
17 articles because then I have a question about
18 the number of cases that had fiber burden
19 analysis. I don't know the difference in the
20 numbers and I'm sure there's some sort of
21 reasonable explanation.

22 Let me tell you where I'm going so you
23 understand what my question is aiming at. In
24 the 1,445 cases article, it says that there were
25 268 cases that you folks had where there were

0015

1 fiber burden analyses.

2 A. Right.

3 Q. In the tremolite and mesothelioma
4 paper, which came out I think in the same year,
5 it says there was 312 such cases, and I'm trying
6 to reconcile those two numbers.

7 Do you follow what I'm asking?

8 A. Yes. I don't have a satisfactory
9 explanation as to how we had subdivided -- why
10 we would split off some case and why there's a
11 different number reported here.

12 Q. But I am correct, though, that there
13 are in the two articles which came out about the
14 same time, one talks about your lab with
15 Dr. Roggli having 268 cases for which fiber
16 burden analyses were done?

17 A. Yes.

18 Q. The other talks about 312, right?

19 A. Yes.

20 Q. So that's 44 cases somewhere, and you
21 just don't have an explanation as you sit here
22 now?

23 A. No.

24 Q. Okay. Just curious. Can you venture
25 -- we're talking about the same thing, right,

0016

1 fiber burden analyses in cases --

2 A. It could have been just a difference in
3 when -- about cases that hadn't been entered in
4 the database. I'm just not sure.

5 Q. Which article was written first?

6 A. I suspect -- these articles are written
7 over the course of sometimes of several years,
8 because the authorship in this is an older
9 group, and so I suspect that this article was at
10 least commenced earlier.

11 Q. So the 1,445 case article you believe
12 was commenced earlier, but not necessarily
13 finished -- Exhibit 1, not necessarily finished
14 earlier?

15 A. Correct.

16 MR. KRISTAL: Let me mark as Exhibit 3
17 the notice for deposition which I've asked you
18 to bring certain materials.

19 (Whereupon, Sporn Exhibit 3, deposition
20 notice, was then received and marked for
21 identification.)

22 BY MR. KRISTAL:

23 Q. I see you have some materials to your
24 left. Was that in response to this deposition
25 notice?

0017

1 A. Yes.

2 Q. May I see what you brought? Thank you.
3 Is it okay if we mark on the outside of these
4 folders -- we'll figure out how to do the
5 housekeeping later.

6 A. Certainly.

7 (Whereupon, Sporn Exhibit 4, a folder

8 containing documents, was then received and
9 marked for identification.)

10 BY MR. KRISTAL:

11 Q. There's three folders. I'm marking as
12 Exhibit 4 a manila folder that contains the
13 deposition notice, curriculum vitae for
14 Dr. Sporn, copy or a reprint of the 1,445 case
15 article, which is Exhibit 1, a portion of what
16 appears to be a book entitled "Asbestos,
17 Asbestosis and Cancer." I have an article from
18 1997.

19 Can we call this the Helsinki criteria
20 article, and we'll all know what we're talking
21 about?

22 A. Yes.

23 Q. And the last document is an article
24 from 1995 entitled "Malignant Mesothelioma
25 Associated With Low Pulmonary Tissue Asbestos

0018

1 Burdens; a Light and Scanning Electron
2 Microscopic Analysis of 18 Cases" by Srebro
3 S-R-E-B-R-O, Roggli, and Samsa, S-A-M-S-A.

4 Could you tell me what this folder
5 represents in terms of response to the
6 deposition notice?

7 A. These are some of the articles and some
8 of the literature that I have specifically
9 reviewed in the formulation of my opinions in
10 these matters.

11 (Whereupon, Sporn Exhibit 5, a Duke
12 University folder - Mass, was then received and
13 marked for identification.)

14 MR. KRISTAL: Exhibit 5, I'm marking a
15 Duke University Medical Center folder which
16 appears to be your file in the Norman Mass case
17 specifically; is that correct?

18 THE WITNESS: Yes, sir.

19 (Whereupon, Sporn Exhibit 6, a Duke
20 University folder - Fleckner, was then received
21 and marked for identification.)

22 BY MR. KRISTAL:

23 Q. And Sporn 6, which is another blue Duke
24 University Medical Center folder. Is that your
25 specific file on the Fleckner case?

0019

1 A. Yes, sir, it is.

2 Q. If you could pull out the manila folder
3 with the deposition notice. Do you have it
4 there?

5 A. Yes, sir.

6 Q. Okay. So is what we have, which is
7 Exhibits 4, 5, and 6, the universe of materials
8 that are on Exhibit A?

9 A. Well, again, I was unable to furnish
10 you with a list of prior testimony and a
11 transcript of prior testimony. I was unable to
12 furnish you with item 10 due to the sheer volume

13 that would have entailed. And I also have not
14 been able to get a copy of all the billing for
15 this.

16 Q. Okay. Well, let's start at the bottom
17 then and go up. You have presented at lectures,
18 seminars, and conferences on asbestos; is that
19 fair to say?

20 A. Yes, it is.

21 Q. And you have written materials,
22 PowerPoints, for those presentations?

23 A. Yes.

24 Q. And how voluminous are we talking?

25 A. I've given scores of lectures;

0020

1 PowerPoints on which I have of and which I
2 don't. And again, I just wasn't able to locate
3 all of these on my computer within my files.

4 Q. Okay. Were you able to locate some of
5 them? In other words, two things are going on
6 here, and let me just -- I'm not trying to fuss
7 with you. If you have done many, many such
8 lectures and you have PowerPoints on your
9 computer for those lectures, I think we're
10 entitled to them pursuant to this notice. If
11 you have thrown those away or they no longer
12 exist, then that's the way it goes.

13 So are there things that are responsive
14 to number ten that you have in your possession
15 that you just haven't produced?

16 A. There probably are. Again, just the
17 timing of the receipt of my notice for
18 deposition, I just looked through the remainder
19 of my duties, was unable to get a hold of, but I
20 probably can and will be happy to furnish you

21 those.

22 Q. Okay. When did you receive the notice?
23 It's dated October 19th.

24 A. I'm not sure when I actually received
25 the -- I think I printed this off on November

0021

1 7th.

2 Q. Okay. So if I'm understanding
3 correctly, with respect to Number 10, it's a
4 timing issue, you just haven't had the time
5 since you received the notice and were told to
6 bring these things today -- to bring them, but
7 it's not going to be a problem for you to
8 produce?

9 A. In part. And part, some of the things
10 I just don't have the asbestos --

11 Q. You can't produce what you don't have?

12 A. Correct.

13 Q. So putting those aside. I'm not asking
14 you to produce something you don't have. That's
15 not possible.

16 With respect to the stuff that you do

17 have that is responsive to number 10, you
18 haven't produced them today because you just in
19 your busy schedule as a doctor haven't had a
20 chance to gather them; is that a fair --

21 A. Yes.

22 Q. But you can do that?

23 A. Yes.

24 Q. And you're willing to do that?

25 A. I'm more than happy to.

0022

1 Q. Nine, we've discussed already. Ten,
2 we've discussed already. Seven, we'll come back
3 to when I look at some. Billing, we've talked
4 about. Reports, CV, okay.

5 Number three, there were two particular
6 sentences which, for lack of a better word, at
7 least I pulled out of your reports for
8 Dr. Mass's case and for Dr. Fleckner's cases,
9 which I thought were the nub of your opinions.
10 And I'm asking you to provide all the materials
11 you're relying on for those statements.

12 Do you see number three?

13 A. Yes.

14 Q. I think when you were identifying
15 Exhibit 3, the manila folder, you said that
16 those were some of the articles that you
17 reviewed in forming your opinions.

18 Is Exhibit 3 and the articles in there
19 the universe of materials that satisfy number
20 three of the deposition notice?

21 A. No.

22 Q. I'm sorry. It's number four, the
23 manila folder exhibit.

24 A. Beyond the articles I provided, much of
25 what I have relied on is my experience and

0023

1 training.

2 Q. That's fine, and we'll get to that.
3 You know, your experience and training is
4 sitting in the seat right now.

5 In terms of articles and materials that
6 you're relying on which you were asked to
7 bring -- let me read it into the record, and
8 I'll ask you what specifically you brought.

9 You were requested to bring all
10 articles and any other materials relied on for
11 the statement, quote, In the absence of
12 non-neoplastic, asbestos-related pleural
13 pulmonary disease detection of asbestos bodies
14 on routine or iron-stained histologic sections
15 of lung with corroboration on tissues asbestos
16 analysis, it is my opinion that Dr. Mass's
17 malignant mesothelioma is not related to an
18 exposure to asbestos, end quote.

19 So let me stop there. What have you
20 brought that you are relying on in terms of

21 articles or other materials for that
22 proposition?

23 A. I think what I have here.

24 Q. Okay. Which are what? If you could
25 identify them specifically.

0024

1 There's the Helsinki criteria article,
2 correct?

3 A. Yes. And there is a review here of --
4 in our mesothelioma paper.

5 Q. So it's the 1,445 papers cases, the
6 Helsinki paper, and that portion of the text?

7 A. The bulk of this is from --

8 Q. We're going to take it one at a time.
9 I just want to know now all the materials and
10 articles you're relying on, materials and
11 articles, putting your experience aside, for
12 that proposition that I read. So just give it
13 to me in a list. They'll have a record of it,
14 and then I'll talk to you about your experience.
15 So tell me what it is.

16 MR. SCRUDATO: What's the question,
17 Jerry?

18 MR. KRISTAL: The question asks for all
19 the materials and articles you're relying on for
20 the statement I read about Dr. Mass's malignant
21 mesothelioma not being related to an exposure to
22 asbestos.

23 THE WITNESS: Perhaps if I --

24 MR. SCRUDATO: Are you asking him to
25 give you a complete list?

0025

1 BY MR. KRISTAL:

2 Q. No. He was asked to bring the articles
3 and materials that he's relying on for that
4 proposition. And I want to know -- it's simple.
5 I'm not arguing with you. I'd like to know what
6 you're relying on for that opinion, and if you
7 brought the materials that you're relying on for
8 that opinion.

9 A. Perhaps the word relying is -- again, I
10 realize -- and I'm not trying to be evasive --

11 Q. I understand. You don't seem to be
12 evasive.

13 A. And perhaps relying on isn't maybe the
14 best -- the best term. Because to my knowledge
15 there's -- there was no article or study excerpt
16 that I could show you that these -- these are
17 articles and studies that I had reviewed in
18 forming my opinion.

19 Q. Okay. But so let's start there. Tell
20 me the articles that you reviewed in formulating
21 your opinion, and then we'll go on to the relied
22 portion. Are they -- just pick them up in your
23 hand, identify them for us so we have a record.

24 A. Exhibit 1, "Malignant Mesothelioma,
25 Occupational Exposure to Asbestos."

0026

1 Q. That's the 1,445 cases article, right?

2 A. Yes.

3 Q. All right.

4 A. Asbestosis -- I'm sorry, I don't think
5 we have this --

6 Q. It's part of Exhibit 4. I marked that
7 whole manila folder.

8 A. This is the "Asbestos, Asbestosis and
9 Cancer" article by the Institute of Occupational
10 Health. There's the Helsinki criteria article.
11 And then there's RR, and then there's
12 Dr. Roggli's article by the -- published with
13 Srebro, et al., that you cited earlier.

14 Q. From 1995?

15 A. Yes, sir.

16 Q. Okay. Anything else?

17 A. No, sir.

18 Q. Okay. Let me see if I'm understanding
19 what you were saying. There's no article or
20 group of articles that you can say that you're
21 relying on for the opinion that I read regarding
22 Dr. Mass's mesothelioma and its relation to
23 exposure to asbestos, but the four items that
24 you mentioned were things that you specifically
25 reviewed in reviewing his case?

0027

1 A. Yes, sir.

2 Q. Okay. Is the answer any different if I
3 were to quote the portion of Exhibit 3, the
4 section that asks you to bring all articles and
5 other materials relied on with respect to
6 Dr. Fleckner and your opinion about his
7 mesothelioma and causation?

8 A. Yes. These are -- these are --

9 Q. The same?

10 A. Yes.

11 Q. And with respect to items one and two
12 on the deposition notice, those are your
13 materials that are in the blue folders?

14 A. I'm sorry?

15 Q. Sure. Take your time.

16 A. Yes.

17 Q. Okay. So items one and two were in the
18 blue folders for each --

19 A. Yes.

20 Q. Okay. Fair enough. If you -- this is
21 independent of any articles so we don't have to
22 worry about the housekeeping for a moment.

23 If you were asked to consult a
24 pathology consultation on a patient who was
25 diagnosed with malignant mesothelioma, let's say

0028

1 in the year 2000, and the diagnosis was based on
2 a biopsy and you confirmed the diagnosis
3 pathologically -- you yourself confirmed it --
4 and all you knew about the person in terms of

5 asbestos exposure was that there was an
6 occupational history as a lifelong asbestos
7 insulator who worked daily with asbestos-
8 containing products from 1950 to 1975 -- are you
9 with me so far?

10 A. We're constructing a hypothetical
11 situation here?

12 Q. That's exactly what we're doing.

13 A. Yes, sir. I understand.

14 Q. And you had no tissue. There was no
15 tissue available to do any sort of fiber burden
16 analysis. And there were no x-rays or other
17 clinical findings regarding to the presence or
18 absence of pleural plaques or asbestosis.

19 What's your opinion about whether or
20 not that person's asbestos exposure was a
21 substantial contributing factor in causing his
22 mesothelioma?

23 A. Would you mind repeating for me what
24 his occupational exposure was? A lifelong --

25 Q. Lifelong asbestos insulator, worked

0029

1 daily with asbestos-containing products from
2 1950 to 1975, and was diagnosed with malignant
3 pleural mesothelioma in the year 2000. No
4 evidence one way or the other either clinically

5 or pathologically with respect to pleural
6 plaques or asbestosis, and no tissue to do any
7 sort of asbestos body counts or fiber counts.

8 MR. SCRUDATO: Object to the form of
9 the question, but go ahead and answer the
10 question.

11 THE WITNESS: That would be my opinion
12 that within a reasonable degree of certainty
13 that his mesothelioma was caused by his asbestos
14 exposure.

15 BY MR. KRISTAL:

16 Q. Based on the history?

17 A. Yes.

18 Q. And if I change the hypothetical and
19 made it to a person, same date, same everything,
20 except it was a pipefitter as opposed to an
21 asbestos insulator, same opinion?

22 A. Yes, sir.

23 Q. If it was -- if the person was the
24 spouse of the person in the first hypothetical,
25 so the spouse of an asbestos insulator, who

0030

1 would, you know, shake the clothes off and do
2 the laundry on a regular basis of the insulator
3 between 1950 and 1975, and was diagnosed in the
4 year 2000, is it your opinion that the asbestos
5 exposure was a substantial contributing factor?

6 MR. SCRUDATO: You're asking him just
7 based on the information you're giving him,
8 right, Jerry?

9 MR. KRISTAL: Exactly.
10 MR. SCRUDATO: I'll object to the form
11 of the question, but go ahead.

12 THE WITNESS: That would be my opinion.
13 BY MR. KRISTAL:

14 Q. Sir, if I'm understanding you
15 correctly, you could make -- strike that.
16 It would be your opinion in certain
17 circumstances that anecdotal history of exposure
18 to asbestos would be sufficient for you to
19 attribute the asbestos as causing the
20 mesothelioma in certain circumstances?

21 A. I would hope it would be more
22 anecdotal. I would like to see that --

23 Q. The employment records?

24 A. Yes. Something -- some type of hard
25 evidence by way of occupational history that the

0031 1 patient in question was -- had a significant
2 exposure to asbestos. Yes.

3 Q. Okay. So --

4 A. Not just hearsay.

5 Q. Right. Well, suppose the person
6 testified as to what they did under oath.

7 A. Okay. Certainly.

8 Q. All right. So let me try to cut
9 through. How does that square with your opinion
10 that I read from the Mass report and the
11 Fleckner report? Let me mark the Mass report
12 and let me read you that sentence again because
13 I would like to hear how you square those two
14 sentences, because maybe I'm missing something.

15 Let me mark as Sporn 7, although you
16 have your own copy in your file, and if you want
17 to use your own copy, that's certainly fine.

18 (Whereupon, Sporn Exhibit 7, a 7/19/05
19 letter, was then received and marked for
20 identification.)

21 BY MR. KRISTAL:

22 Q. Sporn 7 is the July 19th, 2005 letter
23 report that you wrote to Paul here on the Norman
24 Mass case; is it not?

25 A. Yes, sir.

0032 1 Q. And it consists of two pages?
2 A. Yes, it does.

3 Q. Okay. And in the bottom, the final --
4 the next to the last sentence, is that kind of
5 your ultimate opinion in the case?

6 A. Yes, sir.

7 Q. Okay. Let me read it into the record
8 and ask you a question. Quote, In the absence
9 of non-neoplastic, asbestos-related pleural
10 pulmonary disease detection of asbestos bodies
11 on routine or iron-stained histologic sections
12 of lung with corroboration on tissue asbestos
13 analysis, it is my opinion that Dr. Mass's

14 malignant mesothelioma is not related to an
15 exposure to asbestos, end quote.

16 Tell me how you square that with the
17 hypotheticals I gave you and your opinions here.

18 A. If I understood your hypothetical
19 situations, we didn't have -- all we had was
20 tumor. The hypothetical situations that you
21 offered me, and please tell me if I'm --

22 Q. No, no. All we have is tumor tissue.

23 A. All we had was tumor tissue, and we
24 didn't have tissue asbestos analyses. We didn't
25 have sections of lung to look at to do iron

0033

1 stains. So I don't -- they're not valid
2 comparisons.

3 Q. Okay. So let me see if I'm
4 understanding. Are you saying that you don't
5 require there to be pleural plaques before you
6 would give -- strike that.

7 What is the minimum requirements that
8 you have if you were asked to render an opinion
9 about whether or not an exposure to asbestos
10 caused mesothelioma?

11 A. Well, my approach here is strictly from
12 the pathologist's perspective.

13 Q. Okay.

14 A. Nobody has ever asked me outside of in
15 a curbside discussion at a conference, but any
16 type of -- where I have created a formal report
17 or asked to, you know, give testimony have I
18 ever given testimony based solely on what we
19 agreed to call anecdotal evidence.

20 What I require in order to give
21 attribution causation to asbestos is scientific
22 matter, either in the form of radiology with
23 plaques or evidence of asbestosis. I require
24 demonstration of asbestos bodies on iron stains
25 or H & E stained sections of lung, or I require

0034

1 tissue asbestos analysis. In the hypotheticals
2 that you constructed, I was given none of those.

3 Q. Right. So how then can you render an
4 opinion that the exposures caused the
5 mesothelioma?

6 A. Well --

7 Q. That's what I'm not understanding. Do
8 you understand my question?

9 A. Yes.

10 Q. In other words, we had no rad -- there
11 was nothing radiological --

12 A. This is -- again, I would -- this is a
13 part of the Helsinki criteria that I'm in
14 agreement with.

15 Q. Which is what?

16 A. That in certain instances exposure
17 history in and of itself is sufficient for

18 attribution.

19 Q. Okay. And what are those instances
20 when -- and when you say exposure evidence, you
21 mean anecdotal exposure evidence?

22 A. Yes.

23 Q. What are the situations, in your
24 opinion, when anecdotal evidence of asbestos
25 exposure is sufficient to attribute the

0035

1 causation of a mesothelioma to that exposure?

2 A. Basically, my experience, there are a
3 handful of exposures where I am in agreement
4 that such exposure would more likely than not
5 raise your tissue asbestos levels to above that
6 of what our control values are. And the
7 instances that you cited to me were insulators.
8 I think you said pipefitters or shipyard
9 workers. Those are all folks who I think that
10 that is a -- those are majorly significant
11 exposures that would likely --

12 Q. And spouses?

13 A. Yes. And spouses are the same, yes. I
14 am unconvinced that exposure to -- as were
15 sustained by Dr. Mass, that it is on par with
16 that type of exposure that a -- the insulator or
17 the shipyard worker or the spouse of same
18 sustained.

19 Q. And it would have to be anecdotally in
20 order for you to base your opinion that the
21 asbestos exposure was causative in order for you
22 to render that opinion?

23 A. In this case, I am less concerned in
24 Dr. -- in Dr. Mass's case about what his
25 exposure history was at all. I think the tissue

0036

1 asbestos studies sort of trumps what the
2 occupational exposure is. That to me, the
3 occupational exposure -- or the -- I beg your
4 pardon -- the tissue asbestos analysis, that is
5 the gold standard, and not the occupational
6 exposure history.

7 Q. Now, let's use Dr. Mass's situation in
8 a hypothetical. Suppose there was no other
9 tissue and all we had was an anecdotal history
10 of exposure. Would you then say I don't know
11 one way or the other whether or not his exposure
12 caused the mesothelioma?

13 A. Well --

14 Q. Do you follow what I'm asking?

15 A. Yes, sir, I do. I would still say no
16 that based on the available data and the history
17 that that was -- that would be insufficient
18 enough to raise your lung asbestos levels above
19 that background.

20 Furthermore, I'm not convinced that the
21 type of asbestos that -- I think the type of
22 asbestos that Dr. Mass was exposed to is a

23 different form of asbestos with completely
24 different oncogenic properties than the
25 insulators and pipefitters that you offered up

0037

1 as hypotheticals.

2 Q. Okay. If you -- let me see if I'm
3 understanding what you just said in that last
4 answer.

5 If you felt that anecdotally a history
6 of asbestos exposure would raise tissue burden
7 above background, would it then be your opinion
8 that the exposures were causative for
9 mesothelioma?

10 A. Again, I would --

11 Q. In the absence of other evidence.

12 A. I would have to take that -- there's no
13 one-size-fits-all answer to your question. I
14 would have to take it -- in cases like that, I
15 would have to look at what those exposures were
16 and what those -- and to what types of asbestos.

17 Q. Well, that's what I'm getting at. I
18 want you to assume that you believed that an
19 anecdotal exposure raised the level above
20 background.

21 MS. MILLER: Objection to form.

22 BY MR. KRISTAL:

23 Q. Do you follow?

24 A. I believe that anecdotal evidence is
25 for me sufficient enough for attributions, yes.

0038

1 In certain cases, not all cases. But certain
2 cases.

3 Q. Right. I'm trying to understand the
4 cases. And in those cases you believe that that
5 anecdotal exposure would raise the lung burdens
6 above background?

7 A. Yes.

8 Q. Now, are there dental exposure articles
9 or something you're relying on so that you
10 believe that anecdotally Dr. Mass's levels would
11 be below background or at background?

12 A. Are there articles of --

13 Q. In other words, what are you relying
14 on? I think you said earlier that even if you
15 didn't have the pathological evidence, it would
16 be your opinion that Dr. Mass's anecdotal
17 testimony about exposure would not, in your
18 opinion, be sufficient to render an opinion that
19 the mesothelioma was caused by that exposure.

20 Did I understand that correctly?

21 A. Yes.

22 Q. What are you relying on for that?

23 A. Well, that -- I'm relying on a review
24 of our database. I'm relying on from what I
25 understand that dentists such as Dr. Mass may

0039

1 have been -- the actual material that they were

2 working with and the form of asbestos present
3 therein. And to me, none of those were of
4 sufficient severity to raise the level of
5 cancer-causing asbestos within the substance of
6 his lungs.

7 Q. What -- anything else?

8 A. No, sir.

9 Q. Okay. What is it about the review of
10 your database? Are you talking about the 1,445
11 cases article?

12 A. That, and just a review of the computer
13 database that we -- that Dr. Roggli maintains.

14 Q. Tell me what that means in the context
15 of the answer you've given.

16 A. Well, I was curious as to whether we
17 would have -- whether we had a bunch of dentists
18 in our database that had come down with
19 mesothelioma. And in point of fact, we had one,
20 and his situation was not analogous to either
21 Dr. Mass or Dr. Fleckner.

22 Q. And this database is on a computer or
23 printed out?

24 A. It's on Victor Roggli's --

25 Q. On Victor Roggli's computer?

0040

1 A. His computer, yes.

2 Q. And if I'm hearing you, you
3 specifically reviewed that for these two cases,
4 take a look at what that database said in terms
5 of information you were looking at, to render an
6 opinion?

7 A. Not at the time of the formulation -- I
8 looked up our article here to see if there was
9 anything. But then following the completion of
10 my review, I went back and looked through our
11 database.

12 Q. And are you relying on that review, in
13 part, for your opinion?

14 A. Not -- I'm relying on the buttress of
15 my opinion, but not in the formulation of my
16 opinion.

17 Q. But we're in real-time now. And I
18 realize at the time you wrote your report, you
19 haven't reviewed it, but since then you've done
20 other work?

21 A. Yes, sir.

22 Q. So as you sit here today and as I
23 anticipate when we get to trial and you testify,
24 you would be relying on that as well?

25 A. Yes, sir.

0041

1 Q. I would request that.

2 Are you talking about chrysotile when
3 you're talking about fiber type, oncogenic
4 properties? I think you said you're relying on
5 the type of asbestos in the product.

6 A. Yes, yes.

7 Q. You're talking about chrysotile?
8 A. Yes.
9 Q. Is it your opinion that chrysotile is
10 capable of causing malignant mesothelioma?
11 A. In a very, very select minority of
12 cases.
13 Q. And what is that select and small
14 minority? Is it a dose issue?
15 A. It's more of an occupational -- yes,
16 it's a dose issue through a certain type of
17 occupation.
18 Q. Meaning what?
19 A. I think that when you look at folks who
20 have an increased incidence of mesothelioma who
21 were exposed only to chrysotile, you find those
22 are chrysotile miners or people who were exposed
23 to chrysotile ore, not the end users, not using
24 products containing chrysotile. Friction
25 products and so forth.
0042
1 Q. So is it your opinion then that no dose
2 of chrysotile in folks who are exposed to
3 chrysotile through end product use is capable of
4 causing mesothelioma?
5 A. I beg your pardon. Would you repeat
6 the question?
7 Q. Sure. I'm trying to understand your
8 opinion on chrysotile. And from what I heard, I
9 have a follow-up question. Just so I'm fine
10 tuning my understanding.
11 The question is: Is it your opinion
12 that chrysotile exposure, from people who were
13 exposed in end product use to chrysotile, is not
14 capable of causing mesothelioma?
15 A. It may be, but not through the
16 chrysotile itself. But more commonly due to
17 the --
18 Q. The tremolite?
19 A. -- the tremolite, the natural
20 contaminant. People exposed to pure chrysotile,
21 no. I remain unconvinced that that causes
22 mesothelioma.
23 Q. Is there anyone you know of that's been
24 exposed to pure chrysotile? Have you ever read
25 any such a thing? That's a laboratory thing,
0043
1 right? Pure chrysotile doesn't exist in the
2 real world?
3 MS. MILLER: Objection.
4 MR. DIGREGORIO: Objection to form.
5 THE WITNESS: I'm not sure that that's
6 true.
7 MR. KRISTAL: People who are exposed to
8 end products which contain chrysotile are not
9 being exposed to pure chrysotile, are they?
10 MS. MILLER: I think this witness is a
11 pathologist, not a mineralogist.

12 BY MR. KRISTAL:

13 Q. Okay.

14 A. Again, there's -- I think it varies
15 from chrysotile source to chrysotile source.
16 There's some -- there are some chrysotile that is
17 heavily contaminated with tremolite and there's
18 other chrysotile that's not.

19 Q. I'm not talking heavily or not. Is
20 there such a thing, in your opinion, from an end
21 product use of a chrysotile-containing product
22 that it's pure chrysotile?

23 A. Probably not.

24 Q. If I'm understanding you correctly,
25 somebody who is exposed to let's say a pipe

0044

1 covering product that had previously contained
2 asbestos, that was certain percent asbestos, 15,
3 20 percent chrysotile asbestos, is that capable
4 of causing mesothelioma in someone exposed by
5 using that end product? Whether it's the
6 tremolite or not, you know. And if you want to
7 say --

8 A. No, I think -- I'm not convinced that
9 that's true, the fact that that type of exposure
10 will result in the causation of mesothelioma.

11 Q. In anybody regardless of the dose?

12 A. Well, I imagine you might find somebody
13 who had an extremely high exposure to ingested
14 chrysotile analogous to someone who worked with
15 chrysotile or perhaps a -- but I think that
16 would be an unusual circumstance.

17 Q. Let me see if I'm understanding you.
18 Are you saying it's an issue of dose? That it's
19 sufficiently high doses of chrysotile in end
20 products, it's capable of causing mesothelioma?

21 A. Probably.

22 Q. So if I modify my first hypothetical
23 and there's a diagnosis of mesothelioma in the
24 year 2000, you have a lifelong asbestos
25 insulator who worked daily with asbestos-

0045

1 containing products that only contained
2 chrysotile with whatever level of tremolite
3 contamination there might or might not be from
4 1950 to 1975, do you have an opinion on whether
5 or not that exposure contributed to the
6 development of mesothelioma?

7 A. I would say that that exposure as you
8 just described would more likely than not have
9 raised their tissue asbestos content to levels
10 above background and therefore increased their
11 risk.

12 Q. Same thing for the pipefitter?

13 A. Yes, sir.

14 Q. Same thing for the spouse of the
15 insulator worker?

16 A. Yes, sir.

17 Q. Okay. Can you get out the attributions
18 article -- I'm sorry, the criteria article, the
19 Helsinki criteria article.

20 I think you mentioned you were in
21 agreement with some portion about attribution.
22 There's a section that refers to causal
23 attribution. It says brief or low level
24 exposure are sufficient, something to that
25 effect. If you can find that, that would be

0046

1 helpful. I can find it and maybe hand it back
2 to you. There's a specific section --

3 A. I see it right here.

4 Q. Can you tell the page and read what I
5 tried to paraphrase?

6 A. On page 313, it's on the second column
7 in the bullet point in the third paragraph. It
8 is the one -- fourth bullet point there in the
9 third heading.

10 Q. Can you read that bullet point?

11 A. Occupational history of brief or low
12 level exposure should be considered sufficient
13 for mesothelioma to be designated as
14 occupationally related.

15 Q. And do you agree or disagree with that?

16 A. Again, I think that -- it's not really
17 so much a question of disagreeing -- I'm trying
18 not to be evasive.

19 Q. Right.

20 A. It's -- there are times where -- that I
21 would hold that fact, a brief history of or
22 history of brief or low level exposure to
23 commercial amphibole asbestos, I would
24 absolutely agree with that. A brief or low
25 level exposure to chrysotile asbestos, I would

0047

1 not -- I would take issue with that.

2 Q. Okay. And in this, the criteria
3 document, they don't parse out in that bullet
4 point chrysotile or tremolite or amosite or
5 amphibole, or anything?

6 A. No.

7 Q. Okay. So you agree if it's referring
8 to amphiboles and you would disagree if it's
9 referring to chrysotile?

10 A. Not so much -- that in the context of
11 what the actual occupation -- I would add to
12 that as to what the occupation was, as well, in
13 addition to the actual fiber type.

14 Q. Meaning if it was brief or low level
15 exposure to amphibole in certain occupations,
16 you would agree, and in certain occupations you
17 wouldn't agree?

18 A. Yes. Because different occupations
19 will be exposed to different types of asbestos.

20 Q. I'm just focusing on the amphibole
21 component.

22 A. No. I think that -- the amphibole --
23 that leads into my qualification regarding the
24 occupational history.

25 Q. And that's what I'm trying to

0048

1 understand. It's your opinion that there are
2 certain occupations to which brief or low level
3 exposures to amphiboles you would say caused the
4 mesothelioma, and there are other occupations
5 which a brief or low level exposures to
6 amphiboles which you would say didn't cause the
7 mesothelioma? I'm trying to understand what you
8 were saying.

9 A. Right. I would say a brief or low
10 level exposure would be at least contributory to
11 mesothelioma.

12 Q. In every case?

13 A. In every case.

14 Q. And am I understanding you correctly
15 that in no case would brief or low level
16 exposures to chrysotile be contributory in the
17 development of mesothelioma?

18 A. Not in my opinion.

19 Q. So to that extent, you're disagreeing
20 with the Helsinki criteria as it's stated there?

21 A. I'm in disagreement, yes, of the --

22 MR. SCRUDATO: Hold on a second. I
23 thought we established that this didn't identify
24 out the fibers. That was your original
25 question.

0049

1 BY MR. KRISTAL:

2 Q. That's right. And I think that's why
3 Dr. Sporn is saying he agrees with it if it's
4 referring to amphiboles, as he's discussed, and
5 he disagrees with it as it relates to
6 chrysotile.

7 A. That's a fair statement.

8 Q. Okay. Was that the section you were
9 referring to earlier when you said you were
10 relying on the Helsinki --

11 A. Yes.

12 Q. Are you relying on the Helsinki
13 criteria article for any other opinion in the
14 Dr. Mass or Dr. Fleckner case?

15 A. If I could -- if I can refer to another
16 -- the document that this Helsinki criteria was
17 distilled from.

18 Q. Okay. Let me just see that for a
19 second.

20 A. Certainly.

21 Q. Okay. So let's identify this. This
22 appears to be -- would you call it a chapter?

23 A. It's a chapter within sort of a little
24 periodical that was published by all the gurus
25 who assembled them in Finland to discuss all

0050

1 this to hammer this out.

2 Q. Okay. So as part of Exhibit 4 in the
3 manila folder in the chapter from the
4 proceedings -- Asbestos, Asbestosis and Cancer,
5 are the proceedings from the Helsinki criteria
6 meeting; is that what you're saying?

7 A. They are the proceedings from which
8 spawned the publication of the Helsinki
9 criteria.

10 Q. Okay. And you know that from
11 Dr. Roggli? How do you know that?

12 A. I just know that from reading this.

13 Q. Two plus two equals four?

14 A. Yes.

15 Q. Tell me what in the chapter that you
16 brought in, the "Asbestos, Asbestosis and
17 Cancer" proceedings book, you're referring to?

18 A. If you refer here to page 9, they talk
19 about areas of -- they subdivide exposures.
20 They talk about definitive, definite exposures,
21 probable exposures, possible exposures, unlikely
22 exposures, and they refer to unlikely exposures
23 to occur in the -- in the health care setting,
24 health care workers.

25 Q. Let me see if I'm understanding what
0051 you're saying. There's a -- the chapter that
1 you brought, seems to be Chapter 3, and it is by
2 two individuals, neither one of whose name I can
3 pronounce. Let me spell the first one,
4 Tossavainen, T-O-S-S-A-V-A-I-N-E-N. The second
5 one is Techn, T-E-C-H-N.

6 And on page 9 there is a section that
7 says, quote, In case control studies of
8 mesothelioma or lung cancer, the individual work
9 histories have been classified in terms of
10 exposure probability roughly as follows. And
11 then they have the categories you mentioned,
12 definite, probable, possible, and unlikely.

13 What is your takeaway from that
14 section?

15 A. The health care workers in general are
16 -- I don't think -- regardless of what their
17 actual day-to-day chores might entail, are
18 unlikely to have a meaningful exposure to
19 asbestos, or a significant exposure to asbestos,
20 or any type of exposure to asbestos.

21 Q. And therefore -- I'm trying to
22 understand where you're fitting that in. This
23 is talking about epidemiological studies, case
24 control studies, right?

25
0052

1 A. Yes.

2 Q. And how work histories have been
3 classified in -- it looks like four or five case
4 control studies, right?

5 A. Right.

6 Q. There's a reference there?

7 A. Right.

8 Q. Tell me how that impacts your opinion
9 one way or the other. I don't see the
10 references as part of this book. I'd ask if you
11 could provide Paul the sections with the
12 references.

13 A. Not having been invited to participate
14 in this august group of world class experts on
15 asbestos-related disease and mesothelioma, I'm
16 not sure that they're talking about dentists,
17 about -- and other people as mentioned in that
18 category. I think that they were talking about
19 people with well-known occupational exposure.
20 That's my take on reviewing this and then the
21 Helsinki criteria.

22 Q. And you're getting that from your own
23 head, so to speak?

24 A. Yes.

25 Q. From no other source?

0053

1 A. No other source.

2 Q. Let me see the Helsinki criteria. I
3 truly apologize for not understanding how you're
4 using this article, so if you could run it by me
5 again. I'm really trying to understand what
6 you're saying.

7 Are you saying that the section that
8 we've been reading from, the different
9 categories of exposure, impacts the Helsinki
10 criteria article in some way that informs your
11 opinion?

12 A. No. Maybe -- let me explain it like
13 this.

14 Q. However you want to explain it because
15 I'm really having trouble following.

16 A. If you were -- may I have the Helsinki
17 criteria?

18 Q. Sure.

19 A. If you were to take bullet point four
20 here of the Helsinki criteria, occupational
21 history of brief or low level exposure should be
22 sufficient for mesothelioma to be designated as
23 occupational related, I think that that would
24 almost get rid of the concept of idiopathic
25 mesothelioma in -- because I think that you have

0054

1 to sort of parse out with the occupational
2 history what that actual brief or low level
3 exposure was.

4 Q. How does the other document relate to
5 what you just said, or does it not relate to
6 what you just said?

7 A. Well, I think that there are people,
8 such as the two gentlemen in question here, who
9 maybe had a brief and low level exposure to
10 chrysotile asbestos, and they are -- but they

11 are stratified, I think, in a group that has an
12 un -- what I would -- unless I'm misreading this
13 -- as an unlikely exposure.

14 Q. I see what you're saying. Let me tell
15 you from what you're saying why I think you're
16 misreading that, and you can tell me if I'm
17 wrong.

18 It seems to me that the categories
19 they're talking about, epidemiological
20 categories. So if you're breaking out cases and
21 controls, if you had someone who gives an
22 occupation and the only thing they tell you is
23 I'm a health worker, health care worker,
24 whatever the term is, that may get put into a
25 category for a case controlled study of unlikely

0055

1 exposure, if that's how you were conducting your
2 study. Is that fair to say? Is that kind of
3 what it's saying?

4 A. Possibly, yes.

5 Q. But then if you asked a specific health
6 care worker, have you had exposure to asbestos
7 and they tell you yes, and here's my exposure to
8 asbestos as a health care worker, then it takes
9 it out of that category because you have more
10 specific information on a specific person as
11 opposed to a category of people.

12 Do you follow what I'm saying?

13 A. Yes.

14 Q. So that it seems to me that it would
15 not be an unusual proposition to say that health
16 care workers generally would have unlikely
17 exposures to asbestos, while at the same time
18 saying certain specific people may have had an
19 occupational exposure to asbestos as a health
20 care worker.

21 Am I missing something? Is that
22 advancing the ball at all?

23 A. No. That's another way, I think, of
24 looking at it. It's not the way I choose to.
25 But yeah.

0056

1 Q. Let me probe a little bit. Why are you
2 saying because as a category health care workers
3 may be considered to have unlikely exposures
4 when you have specific information on two
5 specific people who have testified under oath
6 that they have had asbestos exposure? In other
7 words, why is the more general trumping the more
8 specific?

9 A. Again, we're all exposed -- I take that
10 because we're all exposed to asbestos. All of
11 us are exposed to asbestos on a -- through the
12 course of our lives. We all have asbestos in
13 our lungs.

14 Q. Right. But we're talking about
15 asbestos exposure other than simply walking

16 around on the planet. Is that fair to say? In
17 other words, nobody disagrees with the
18 proposition that you just said. Living on Earth
19 and breathing, your lungs are exposed to
20 asbestos?

21 A. Right.

22 Q. But then you start asking about don't
23 -- isn't a occupational history something that
24 doctors routinely ask if somebody comes in and
25 they're thinking about a diagnosis of

0057

1 mesothelioma?

2 A. Yes.

3 Q. And that's done because occupational
4 exposures generally distinguish people from
5 somebody who's just walking around breathing,
6 right?

7 A. Right.

8 Q. So I guess what I'm trying to
9 understand is why you believe the general
10 category for the epidemiological purposes of
11 office workers as having an unlikely exposure
12 means anything with respect to these two
13 gentlemen.

14 A. I'm not talking about office workers.
15 Health care workers.

16 Q. I apologize. Just substitute health
17 care workers in that last question.

18 A. I guess where I think I might have the
19 best answer for you is that there's a -- you
20 know, there's a difference between working with
21 something that may have some asbestos in it and
22 being -- exposure to asbestos to increase your
23 levels.

24 Q. Well, let me take a step back then. Do
25 you believe from your understanding of this case

0058

1 that Dr. Mass and Dr. Fleckner were
2 occupationally exposed to asbestos?

3 I'm going to get to the issue of how
4 much and whether you believe that increases the
5 risk, but as the first question: Do you believe
6 that they had occupational exposure to asbestos
7 in the sense that they were students?

8 A. I believe that -- I believe that they
9 -- I have no reason to doubt that they had
10 worked with compounds that contained asbestos.
11 Whether that work caused them to be actually
12 exposed to the asbestos themselves, I'm not sure
13 of.

14 Q. Was there visible dust created from
15 their use of the products, according to their
16 testimony?

17 A. I think there was dust, yes.

18 Q. From their use of the asbestos-
19 containing products?

20 A. I don't know for certain whether it was

21 actual dust from their actual products.
22 Q. Because you don't recall their
23 testimony about it or you're doubting --
24 A. Right.
25 Q. Whatever their testimony is, it is?
0059
1 A. Yes.
2 Q. If there is testimony that their use of
3 the asbestos-containing product created dust
4 which they breathed, those would be exposures
5 that would be different than people just walking
6 around?
7 MR. SCRUDATO: I'll object to the form
8 of the question.
9 BY MR. KRISTAL:
10 Q. Is that fair to say?
11 A. Yes.
12 Q. Do you know -- it seems to me that
13 you're working with the understanding that the
14 products that Dr. Mass and Dr. Fleckner are
15 talking about contained chrysotile; is that fair
16 to say?
17 A. Yes.
18 Q. Where did you get that from?
19 A. I think that's just from my general
20 knowledge of -- or in the past what these dental
21 materials -- I know a little bit about what
22 dental technicians and, you know, dental
23 students are exposed to.
24 Q. Any other sources other than what you
25 just said?
0060
1 A. No.
2 Q. What is that knowledge based on?
3 A. I guess it's -- I can't recall if it's
4 reading Churg's book on occupational lung
5 disease or in seminars. That just -- that's
6 just the knowledge base I carry with me. I
7 can't --
8 Q. You can't be more specific?
9 A. I honestly can't tell you where I heard
10 that from. I'm not -- I never heard that dental
11 students or dental technicians were exposed to
12 commercial amphiboles.
13 Q. And I'm just trying to find out where
14 you heard that they were exposed to chrysotile.
15 A. In the course of my work as a thoracic
16 pathologist with an interest in mesothelioma.
17 It's just, you know --
18 Q. Other than these two cases, what other
19 -- why would the issue of what dental
20 technicians or dentists are exposed to by the
21 way of asbestos products, when would that ever
22 arise?
23 A. Well --
24 Q. In other words, was there a seminar --

25 I think you mentioned a seminar. Was there a
0061 seminar where dentists using asbestos was --
1 A. I can't remember. I can't remember. A
2 big part of what I do is with occupational lung
3 disease, and I can't recall for you when I heard
4 that.
5
6 Q. Okay. We're making Michele's job
7 really hard. You're talking over my lines and I
8 am talking over yours. We just have to be a
9 little more cognizant of that.
10 MR. SCRUDATO: Can we take a break?
11 MR. KRISTAL: Sure.
12 (Whereupon, a recess was then taken.)
13 BY MR. KRISTAL:
14 Q. Okay. Dr. Sporn, I want to spend a
15 little time going over the folder which we've
16 marked as Exhibit 5, which is your file on the
17 Mass case, okay?
18 A. Certainly.
19 Q. I'm trying to do this in some organized
20 fashion. The first document is a letter from a
21 legal assistant dated May 6, 2005 forwarding you
22 some pathology slides; is that correct?
23 A. Yes, it is.
24 Q. And then there appears to be a surgical
25 pathology report from one of the hospitals where
0062 there were samples taken; is that right?
1 A. Yes.
2 Q. And then I believe, if I'm correct,
3 there is some -- it seems to be multiple copies
4 of that same surgical pathology report from
5 Memorial Hospital; you know, Sloan-Kettering.
6 Can you confirm that those are the same?
7 A. Yes.
8 Q. And then there's a surgical pathology
9 report from the Bridgeport Hospital which is
10 where one of the samples came from, right?
11 A. Yes.
12 Q. And then there's a number of different
13 medical records, radiology consultation and
14 other medical records?
15 A. Yes.
16 Q. I think this goes with the Bridgeport.
17 I don't want to separate these things. These
18 are all part of the surgical pathology report
19 from Bridgeport?
20 A. Yes, sir.
21 Q. Okay.
22 MR. SCRUDATO: These are all for
23 Dr. Mass.
24 THE WITNESS: These are all for
25
0063 Dr. Mass.
1 BY MR. KRISTAL:
2 Q. And then there's a copy of your report
3

4 dated July 19, 2005, which we separately
5 identified as Exhibit 7 already?
6 A. Yes.
7 Q. Now, in your report -- you can operate
8 off that one and I'll look at this one, if
9 that's all right -- there's a mention of a
10 protocol and report of tissue asbestos analysis
11 performed on lung tissue by Dr. Samuel Hammar,
12 H-A-M-M-A-R. Do you see that?
13 A. Yes.
14 Q. Did you ask Dr. Hammar to conduct that
15 report?
16 A. No, I didn't.
17 Q. Okay. Do you know Sam Hammar?
18 A. Very well.
19 Q. Recognized authority in asbestos
20 disease?
21 A. A recognized authority in asbestos
22 disease and a friend of mine.
23 Q. Okay. A twofer.
24 A. Yes.
25 Q. Do you rely at times on Dr. Hammar for
0064

1 his opinions about asbestos and asbestos
2 disease?
3 A. Over the course of the last 15 years
4 I've relied on Dr. Hammar's opinion on a lot of
5 things in thoracic pathology, asbestos-related
6 disease, and mesothelioma.
7 Q. I think there are three copies of
8 Dr. Hammar's report dated May 17, '05. There's
9 a cover letter to Mr. Scrudato and then reports
10 underneath that. I just want to make sure those
11 are three of the same, and then I can operate
12 off one and you can operate off one.
13 A. Yes. What you've just given me is --
14 MR. SCRUDATO: These are all three
15 copies of the same thing; is that what you're
16 asking?
17 MR. KRISTAL: That's the question.
18 That's the only question right now.
19 THE WITNESS: No, they're not. Two --
20 even though they're all dated May 17, 2005, one
21 is a --
22 MR. SCRUDATO: Hold on a second. Let
23 me make sure I understand the question. I have
24 three stacks of materials, cover letter and

25 attached report. Is the question whether or not
0065
1 these three copies are all identical?
2 MR. KRISTAL: Yes.
3 MR. SCRUDATO: I understand your
4 question. They appear to be, but if you want to
5 compare them, you can compare them.
6 Is this, this, and this the same?
7 THE WITNESS: Yes.

8 MR. SCRUDATO: That's the question on
9 the table.
10 THE WITNESS: I was in error. These
11 three separate, they are all the same.
12 MS. MILLER: Except for the faxed
13 footer it looks like on the bottom.
14 MR. KRISTAL: Yes. Disregard any fax
15 cover sheet. I'm just talking about the cover
16 sheet itself.
17 MS. MILLER: I was just being thorough.
18 MR. KRISTAL: Thank you for your
19 thoroughness.
20 (Discussion off the record.)
21 BY MR. KRISTAL:

22 Q. You read these materials, I take it,
23 that's why they were in your folder?
24 A. Yes.
25 Q. The letter to Mr. Scrudato says, quote,
0066
1 please find enclosed my report on Mr. Norman
2 Mass. At your request we performed asbestos
3 digestion analysis on lung tissue from Mr. Mass.
4 We received two paraffin blocks from the
5 department of pathology at Sloan-Kettering
6 Memorial Cancer Center in New York. The amount
7 of lung tissue in the paraffin -- strike that --
8 oh, that's right. The amount of lung tissue in
9 the paraffin tissue was 1.5 grams. Digestion
10 analysis showed no asbestos bodies in this
11 tissue, end quote.
12 Do you see that?
13 A. Yes, I do.
14 Q. And that's a summary of the two-page
15 report that is attached to that letter?
16 A. Yes, it is.
17 Q. Then Dr. Hammar says, quote, This does
18 not mean Mr. Mass was not exposed to asbestos,
19 end quote.
20 Do you agree or disagree with that?
21 A. I would disagree with that.
22 Q. Okay. And why is that?
23 A. I mean, I'm -- again, I did not
24 actually review the preparations that Dr. Hammar
25 used to perform his digestion. I'm sure when he

0067
1 says it was lung tissue that that's in fact what
2 it was.
3 But to me, based on my experience and
4 training, the gold standard of whether or not
5 one was exposed to asbestos, at least for the
6 commercial amphibole asbestos, is tissue
7 asbestos digestions. And if you're -- if you're
8 going to do them and find no asbestos and say,
9 well, that doesn't mean that he wasn't exposed
10 to asbestos, that to me is -- suggests why
11 bother doing the assay at all if you're not

12 going to find a negative result meaningful.
13 Q. So let's read the next sentence and see
14 if Dr. Hammar is explaining why. Let me read
15 them together and I'll ask you another question.

16 A. Okay.

17 Q. Quote, This does not mean Mr. Mass was
18 not exposed to asbestos. It means simply that
19 in this sample no asbestos bodies were found,
20 end quote.

21 Do you agree with that second sentence?

22 A. Well, again, I agree with the
23 statement, in this sample. I have no reason to
24 dispute that in that sample no asbestos bodies
25 were found.

0068

1 Q. He says simply in that sample, which is
2 the -- don't you take that sentence to be an
3 explanation of why he does not feel that means
4 Mr. Mass was not exposed to asbestos?

5 A. Well, I think that he -- he is neutral
6 on whether or not Dr. Mass was exposed to
7 asbestos.

8 Q. Right. I'm not saying he wasn't. Do
9 you agree -- strike that.

10 I just want to see what your
11 understanding of what he's saying -- what he's
12 saying.

13 A. I understand absolutely what he is
14 saying.

15 Q. And what he seems to be saying is the
16 fact that you didn't find asbestos bodies in a
17 sample does not mean that that person was not
18 exposed to asbestos.

19 A. Yes.

20 Q. Okay. It doesn't mean they were, it
21 doesn't mean they weren't.

22 A. Yes.

23 Q. And you disagree with that?

24 A. No. I think that's a fair statement.

25 Q. Meaning you do agree with it?

0069

1 A. Yes.

2 Q. Okay. And then Dr. Hammar says, quote,
3 According to Helsinki consensus report criteria,
4 one can attribute mesothelioma to asbestos by a
5 history of exposure to asbestos alone even if it
6 is a history of a small, parenthesis, low,
7 closed parenthesis, exposure to low asbestos,
8 end quote.

9 Do you see that?

10 A. Yes.

11 Q. And do you disagree with that to the
12 extent that it is talking about chrysotile? I
13 think we discussed this, and I'm trying to
14 short-circuit it. But if there's some -- let me
15 ask you this again: Do you agree or disagree
16 with that statement?

17 A. I agree with Sam's sort of condensation
18 of what the Helsinki criteria is saying in that
19 sense. He is saying --

20 Q. Well, do you agree with that
21 proposition?

22 A. Do I agree with that proposition?

23 Q. Right.

24 A. Again, we talked about this earlier.
25 In certain circumstances, I do.

0070

1 Q. And the circumstances which you do
2 we've already discussed when we talked about
3 that bullet point?

4 A. Correct.

5 Q. Okay. And there's nothing additional
6 that we haven't talked about --

7 A. Okay.

8 Q. -- so I don't have to go back over
9 that.

10 Peak latency for mesothelioma from
11 first exposure is 30 to 40 years after first
12 exposure?

13 A. It's certainly measurable in decades.
14 Two to three decades at one end of the spectrum
15 and six or seven decades I think at the other
16 end of the spectrum. It's a latency period
17 measured in decades, yes.

18 Q. My question is, though, whether peak
19 latency is 30 to 40 years after first exposure.

20 A. I'm not sure what the peak latency or
21 -- I've never heard latency described as a peak.
22 In other words --

23 Q. Okay. You would certainly agree with
24 respect to Mr. Mass that from his first exposure
25 to asbestos as a dental student until the date

0071

1 of his diagnosis with asbestos is clearly within
2 the appropriate latency for mesothelioma?

3 There's no disagreement about that,
4 right?

5 A. No.

6 Q. If you had seen pathological evidence
7 of pleural plaques in Dr. Mass, would you then
8 say that more likely than not his exposure as a
9 dental student was a substantial contributing
10 factor to his mesothelioma?

11 A. Yes.

12 Q. If you had seen clinical evidence of
13 pleural plaques, would that be your opinion?

14 MR. SCRUDATO: When you say --
15 objection.

16 BY MR. KRISTAL:

17 Q. Radiographic evidence.

18 A. Yes. I would accept a radiologist's
19 diagnosis of plaques.

20 Q. Same thing for asbestosis, both
21 radiographic and pathological evidence, if that

22 existed, you would say that his exposure to
23 asbestos as a dental student was a substantial
24 contributing factor to his mesothelioma?

25 A. I would say that asbestos was clearly
0072

1 -- I would say evidence of asbestosis that I
2 would clearly say this was an asbestos-related
3 malignancy.

4 Q. And if the only evidence of exposure to
5 asbestos other than simply walking on the Earth
6 was as a dental student, would you then say that
7 that exposure was a substantial contributing
8 factor?

9 A. Yes, I would.

10 (Discussion off the record.)

11 BY MR. KRISTAL:

12 Q. I'm going to have some more general
13 questions about asbestos bodies, but in the
14 context of some general questioning, I just want
15 to focus on Mass right now.

16 In the Mass folder there's an article
17 entitled "Black Spots Concentrate Oncogenic
18 Asbestos Fibers in the Parietal Pleura" by
19 B-O-U-T-I-N and others?

20 A. Yes.

21 Q. Can you tell me why that was in the
22 folder?

23 A. I think there's been some -- I didn't
24 rely upon this to -- and if I said that I used
25 this in the formulation of my opinion or not, I

0073

1 would retract that.

2 Q. No, I don't think you had.

3 A. This is --

4 Q. I just want to know why it was in the
5 Mass folder. If it's a mistake, it's a mistake.
6 If you reviewed it, I just want to know why you
7 reviewed it.

8 A. It shouldn't have been in the Mass
9 folder. This was something that I had
10 anticipated possibly being asked about during
11 the course of the deposition.

12 Q. Okay. In what context? This is good
13 now. You're asking the questions and answering
14 them. A whole purpose witness.

15 What were the anticipated questions for
16 which you wanted to look at that article?

17 MR. SCRUDATO: I'm sure there's an
18 objection here somewhere to that question, but
19 go ahead and answer the question.

20 MS. MILLER: Streamlining.

21 THE WITNESS: I anticipated --

22 MR. SCRUDATO: The lawyer asking the
23 witness to ask the questions.

24 THE WITNESS: I anticipated at some
25 point a question regarding diagnostic -- or

0074

1 analytic substrates lung versus pleura.
2 MR. KRISTAL: And what were you
3 anticipating I was going to ask on said subject?
4 MR. SCRUDATO: Just ask the question,
5 Jerry.
6 BY MR. KRISTAL:
7 Q. Do you mean in terms of whether or not
8 what you find in the lung relates to what's in
9 the pleura?
10 A. Yes.
11 Q. We'll get there. And how does that
12 article relate to that line of questioning?
13 A. That line of -- this article
14 specifically addresses the levels of asbestos
15 fibers within lung and pleura in exposed
16 individuals and in nonexposed individuals.

17 Q. In terms of total lung burden?
18 A. Yes. In terms of, yes, how pleural
19 burdens relate to lung burdens.
20 Q. Does it break out the burdens in the
21 lung and the pleura by fiber type?
22 A. I don't believe it did.
23 Q. There is a difference, though, between
24 the type of fiber that you see lung tissue many
25 years after exposure as opposed to the type of
0075 fiber that you see in the pleura --
2 A. Yes.
3 Q. -- is there not, generally?
4 A. Well, I answered you before I had a
5 chance to hear your full question.
6 Q. You can read the question back. I
7 trailed off in the end.
8 (Whereupon, the above-requested
9 question was then read by the reporter.)
10 THE WITNESS: I don't know about fiber
11 types per se.
12 BY MR. KRISTAL:
13 Q. What does that mean?
14 A. Again, I don't know if this has been
15 broken down to look at whether these are the
16 fibers you see in the lung or in the pleura are
17 amphibole asbestos or nonamphibole asbestos.
18 Q. So you have no opinion as to whether or
19 not the type of fiber that you see in lung
20 tissue correlates to the type of fiber that you
21 would see in the pleura if you looked?
22 A. I -- no.
23 MR. SCRUDATO: I didn't understand
24 that's what he said, Jerry, but --
25 MR. KRISTAL: That's why I asked the
0076 question.
2 THE WITNESS: Let me -- I think --
3 actually, if I recall the article, actually you
4 do see the persistence of amphiboles in the

5 pleura and not chrysotile, just as in the lung.

6 BY MR. KRISTAL:

7 Q. Based on that article?

8 A. Based on that article.

9 Q. Any other material you're relying on
10 for that opinion?

11 A. No. Because I've never had the
12 opportunity to. In our lab it's not our
13 practice to assay the pleura for asbestos
14 fibers.

15 Q. And why is that?

16 A. Again, I think -- one of the main
17 things, I'm not sure that there's any set values
18 of -- control values for pleural tissue so you
19 measure -- say it's your digestion and pleural
20 tissues and you found some asbestos bodies or
21 asbestos fibers. You wouldn't have any number
22 to compare that to to know whether that was
23 increased value or decreased value.

24 Q. Well, isn't that circular, though?

25 What I mean by that is the values in your lab

0077

1 for what you call, in quotes, normal are based
2 on the values that you see in your lab, right?

3 A. For lung tissue.

4 Q. Right.

5 A. We've hashed -- not me, but Dr. Roggli
6 hashed out what the normal -- what the range of
7 normal values was in unexposed people just
8 walking around.

9 Q. In your lab?

10 A. In our lab.

11 Q. Right. So that the values that you
12 compare what you find in the lung now are
13 compared to values that you had found in lung
14 tissue that you consider to be nonoccupationally
15 exposed, correct?

16 A. Right.

17 Q. So you could certainly do that with
18 pleura, right? In other words, you're saying
19 the reason you don't do the pleural analyses of
20 fiber burden is you have nothing to compare it
21 to, but if you did it, you would have something
22 to compare it to?

23 A. Yes. Very likely.

24 Q. So the reason you don't do it is
25 because you haven't done it historically?

0078

1 A. Yes, we haven't done it historically.

2 Q. And is that the reason why you don't do
3 it now? In other words -- I'm not trying to
4 give you a hard time.

5 A. I know. And actually, if you were to
6 look at this -- at what this -- what this
7 article is saying that the distribution of
8 asbestos fibers in the pleura is sort of a hit-
9 and-miss thing. The asbestos fibers tend to be

10 concentrated in areas of porous or lymphatic
11 drainage and not uniformly distributed around
12 the lung.

13 So I'm not sure that we would get --
14 another reason is I'm not sure we would be
15 getting -- you can't ask the pathology lab to
16 submit to you all the black spots only for us to
17 digest and not pleura. And that's as general
18 pleura at all. So I'm not convinced -- neither
19 one of us -- I don't want to put words in
20 Dr. Roggli's mouth -- but I'm not convinced of
21 the diagnostic utility of the pleura in general,
22 irrespective of this fact that we don't have
23 controlled values.

24 Q. Well, are fibers in lung tissue evenly
25 distributed?

0079

1 A. I think -- there is some variation.

2 Q. Right. But they're not evenly
3 distributed, right? There are areas of the lung
4 to which fibers preferentially migrate, and so
5 under the same logic then you're maybe looking
6 in the wrong spot of the lung, right?

7 A. I don't know that that's necessarily
8 true.

9 Q. Not necessarily true, but could be true
10 in certain cases, right?

11 A. Maybe, yes.

12 Q. And there's really no way of knowing

13 that?

14 MR. SCRUDATO: Wait a minute. No way
15 of knowing what, Jerry?

16 BY MR. KRISTAL:

17 Q. Whether or not you're in an area where
18 there are higher fibers in the lung or an area
19 where there are lower fibers in the lung in any
20 individual.

21 A. I think with -- based on this article
22 of asbestos fiber depositions tends to be a lot
23 more geographic and concentrated around the lung
24 and much more uniform.

25 Q. Than in the pleura?

0080

1 A. Than in the pleura.

2 Q. But not uniform?

3 A. More --

4 Q. It can be more uniform than in the
5 pleura, but that doesn't mean it's uniform?

6 A. Right.

7 Q. And it's not uniform?

8 A. No, it's not.

9 Q. And in an individual person, when
10 you're digesting lung tissue, you don't know for
11 that person whether it's in an area of the lung
12 where there's higher fiber burden or lower fiber
13 burden, or somewhere in the middle? There's no

14 way of knowing that unless you took samples from
15 all over the lung.

16 A. Unless you took samples from all over
17 the lung, right.

18 Q. Just so there's a clear record, in the
19 last couple of questions you've been referring
20 to an article and it's -- I'll call it the black
21 spots article; is that okay? So we have a
22 record of what you're talking about.

23 A. Certainly.

24 Q. Okay. Is the best mechanistic
25 explanation for how asbestos fibers cause meso

0081

1 the fact that they're doing some sort of
2 chromosomal damage to the mesothelial cells?

3 A. That they deliver -- that they are
4 capable of transforming mesothelial cells.

5 Q. And is that in your opinion a
6 mechanical process?

7 A. There's a wealth of literature
8 regarding the oncogenicity of asbestos, a lot of
9 which is beyond the scope of my expertise, but
10 it's not just a mechanical irritation. I think
11 that there's an actual -- there's actual ways of
12 inducing neoplasia in asbestos from my
13 understanding. That's also in the biochemical
14 level, not just the mechanical level.

15 Q. I'm just asking for your opinion. So
16 it's -- it's in part mechanical? Let me tell
17 you what I'm talking about when I say
18 mechanical. If you have a physical structure, a
19 fiber, which is physically disrupting a portion
20 of the DNA so that it's causing some kind of
21 transformation or abnormality, it can trigger a
22 carcinogenic process. That's what I'm talking
23 about by mechanical.

24 A. That's part of it.

25 Q. And I think what you're saying, in

0082

1 part, the biochemical makeup of the fiber itself
2 has something to do with it?

3 A. Well, and the generation of superoxide
4 radicals. And again, there's it's a very
5 complicated process. It's not just related I
6 think to the physical disruption of DNA
7 structure mesothelial nuclei.

8 Q. Is that an inflammatory process?

9 A. I'm sure at some level there's the
10 recruitment of inflammatory infector cells that
11 are playing a role in this.

12 Q. Are you familiar with the term target
13 organ in cancer?

14 A. Target organ.

15 Q. Well, let me put it this way: Would
16 you agree that the target organ for mesothelioma
17 is the pleura?

18 A. Well, the serous membranes of which the

19 pleura is one of them, yes.
20 Q. Okay. Let's focus on Dr. Mass and
21 Dr. Fleckner. Are we talking about the pleura
22 as the target organ?
23 A. Target structure, yes.
24 Q. Target structure, that's fine. Is it
25 the parietal pleura or the visceral pleura?
0083
1 A. It's typically the parietal pleura, but
2 there are cases where it's the visceral pleura as
3 well.
4 Q. Okay. And to trigger the carcinogenic
5 process, whether it's mechanical by itself or a
6 combination of mechanical and biochemical, the
7 fiber has to reach the target organ, does it
8 not, or the target structure?
9 A. Yes.
10 Q. Is it fair to say that the fibers that
11 you see in lung tissue when you digest it by
12 definition have not reached the pleura?
13 A. Yes.
14 Q. So what we can say for sure is the
15 specific fibers that you see when you do a
16 digestion study of the lung did not cause the
17 mesothelioma?
18 A. The ones that were left behind, yes.
19 Q. The ones that were left behind. And
20 it's the ones that migrate to the pleura are the
21 ones that are causative?
22 A. Right.
23 Q. And the migration to the pleura, is
24 that physically through the interstitium and/or
25 through the lymphatics?
0084
1 A. There are probably both.
2 Q. Any other way that the fibers migrate
3 through the lung and get to the pleura?
4 A. Some are probably transported through
5 macrophages.
6 Q. Can some get into the bloodstream and
7 get to the pleura that way?
8 A. I doubt --
9 Q. There are distant organs for which
10 there have been asbestos fibers detected, right?
11 A. Yes. And that's probably through --
12 mediated by macrophages or histiocytes, and
13 whether they're gaining access to lymph node
14 vasculature or blood vessels. That's probably
15 the -- that's how macrophages traverse the body.
16 Q. Does chrysotile asbestos preferentially
17 translocate from the lung to the pleura in your
18 opinion?
19 A. I don't believe it does.
20 Q. What are you basing that on?
21 A. From my knowledge of the fate of
22 inhaled chrysotiles. It's clear to its size --
23 others -- other fibers have a decreased bio-

24 persistence and they're degraded by the body's
25 defense mechanisms. They're clear. So they

0085

1 don't make it to the pleura.

2 Q. It's your opinion that no chrysotile
3 asbestos makes it to the pleura?

4 A. No. I think -- some short fragments
5 chrysotiles probably might make it to the
6 pleura.

7 Q. Are there articles you're basing that
8 on or -- is there anything specific that you can
9 point me to for which you are basing that
10 opinion?

11 A. I think Suzuki published something on
12 that.

13 Q. Right. And he published a lot on that,
14 right?

15 A. Uh-hum.

16 Q. Do you find Dr. Suzuki to be an
17 authority on mesothelioma?

18 A. To be honest, I'm not familiar that
19 much with the body of his work. I know that
20 he's very active in it. I don't hold him in the
21 -- I don't think he's in the same league as a
22 great many other pathologists are.

23 Q. Such as?

24 A. Such as Sam Hammar, Andrew Churg, Tom
25 Colby. The U.S. -- all the people -- the

0086

1 experts who sit on the U.S./Canadian --

2 Q. Mesothelioma panel?

3 A. The panel, yes. I'm not sure he's in
4 their league.

5 Q. I think he sat on the panel, so that
6 would by definition make him in their league, if
7 he did; is that fair to say?

8 A. Again --

9 Q. The people who are selected to sit on
10 the U.S./Canadian mesothelioma panel are experts
11 in mesothelioma?

12 A. Yes.

13 Q. We got off on a little bit talking
14 about Suzuki because you mentioned his articles.
15 Is it your -- is there any particular article
16 you are recalling or what is it about the Suzuki
17 articles that you believe is helpful to your
18 opinion about chrysotile -- we're making it a
19 problem. In conversation this is easy to do
20 because we understand each other, but if you
21 start talking before I finish, it makes it real
22 hard.

23 A. I apologize.

24 Q. Let me see if there's a question
25 pending. Can you try and read that back?

0087

1 (Whereupon, the above-requested
2 question was then read by the reporter.)

3 BY MR. KRISTAL:
4 Q. About chrysotile with respect to
5 translocating to the pleura?
6 A. I don't remember the title of the
7 article, but I remember there was an article
8 that he published of a TEM study looking at
9 short chrysotile, less than five micron,
10 fragments were, as I recall, the synthesis of
11 his article was that these chrysotile fragments
12 were in fact responsible for mesothelioma.
13 I think that's -- I haven't reviewed
14 this article in some time, but that's my
15 recollection as to what the synthesis of his
16 article was.
17 Q. And do you disagree with that, that
18 fibers less than five microns don't cause
19 mesothelioma?
20 A. I don't know. I'm just -- again, I'm
21 -- I still hold by my opinion that chrysotile in
22 and of itself, I don't think is, or at very
23 best, a very weak carcinogen for the pleura.
24 Q. Okay. Let's put chrysotile out of the
25 picture. Do you believe that fibers of any kind
0088 less than five microns are not capable of
1 causing mesothelioma?
2 A. Parts, no. We only count in our lab
3 fibers that are greater than that. Who knows
4 what those fibers might have been at some other
5 point. I don't know. I'm not sure how I feel
6 about fibers less than the power to transform --
7 fibers less than five microns carry.
8 Q. Just so I'm clear, so we don't have to
9 beat dead horses or I'm not surprised, as you
10 sit here today, you have no opinion one way or
11 the other as to the propensity of fibers shorter
12 than five microns to cause mesothelioma?
13 A. Yes.
14 Q. Okay. I was going to get to it -- I
15 think it's mentioned in the Fleckner report -- I
16 don't think in the Mass report, but let me ask
17 you since you raised it. In your lab when you
18 count fibers only -- let me -- strike that.
19 Let me tell you what I think you're
20 counting, and you tell me if I'm wrong. You're
21 only counting when you're doing an asbestos
22 fiber burden study fibers that are greater than
23 five microns that have an aspect ratio of at
24 least three-to-one and that are roughly
0089 parallel?
1 A. Correct.
2 Q. Why do you choose that definition in
3 terms of what you're looking at for counting
4 purposes?
5 A. I think those are the ones that are --
6 that have been shown to be more clearly
7

8 associated with induction of mesothelioma.
9 Q. Okay. I'm just trying to square that
10 with what I thought we just said your opinion
11 was about lower than five microns, which is that
12 you have no opinion. Is that right?

13 In other words, I'm trying to
14 understand what you're saying. Let me rephrase
15 it. Maybe that will be easier.

16 You count fibers, and I'm just talking
17 about length now, greater than five microns
18 because it's your opinion those have been most
19 clearly associated with mesothelioma?

20 A. Right.

21 Q. But you're not saying you only count
22 fibers greater than five microns because you
23 don't believe fibers less than five microns can
24 cause mesothelioma; you simply don't have an
25 opinion on that?

0090

1 A. Right.

2 Q. Okay. Scanning electron microscopes is
3 what you use?

4 A. Yes, sir.

5 Q. They're certainly capable of counting
6 fibers less than five microns, right?

7 A. Yes.

8 Q. In other words, it's not a physical
9 counting problem, right?

10 A. No.

11 Q. And do you know anything about the OSHA
12 standards and why they have a five micron
13 cut-off or not?

14 A. I don't.

15 Q. Do you know that OSHA has a five micron
16 cut-off?

17 A. That's my understanding.

18 Q. Is it your understanding that was
19 because of old technological feasibility as
20 opposed to some weighing in on the causation
21 issue?

22 A. Again, I think that -- I think some of
23 that had to do with the methodology that TEM
24 being more sensitive, picking up small fibers,
25 and SEM being less likely to pick up fibers.

0091

1 Q. And I think if I understood you
2 earlier, fibers that are shorter than five
3 microns, whether it's in the lung or the pleura,
4 as you look at them today, were not necessarily
5 that length 10 years ago, 5 years ago, 20 years
6 ago?

7 A. Correct.

8 Q. And so those fibers that are less than
9 five microns could have been greater than five
10 microns at a time critical to the triggering of
11 the cancer process? We just don't know one way
12 or the other.

13 A. Right.

14 Q. Is the latency period for mesothelioma
15 related to the mechanism of carcinogenesis?

16 MR. SCRUDATO: Is what? I don't
17 understand that question.

18 MR. KRISTAL: Sure. Well, I don't -- care
19 less about your understanding, but because the
20 doctor made a face, so I'll rephrase it.

21 MR. SCRUDATO: Well, that's very kind
22 of you.

23 (Discussion off the record.)

24 MR. KRISTAL: It's more important for
25 me that the person I'm asking the questions

0092

1 understands the question.

2 Do you understand what I'm asking or do
3 you want me to rephrase it?

4 THE WITNESS: Why don't you repeat it.

5 MR. SCRUDATO: That was the right
6 answer.

7 BY MR. KRISTAL:

8 Q. That was a give me because you were
9 giving me some of my question.

10 Do you have a belief that it is a fiber
11 interacting with a mesothelial cell shortly
12 after exposure that begins a process that takes
13 a long time to develop into mesothelioma, or is
14 it that the fibers just take a long time to
15 interact with a cell in terms of latency?

16 A. Well, there's probably two answers to
17 that. One is it probably takes some time for
18 the migration of asbestos fibers from the
19 respiratory branchials that were deposited for
20 them to get out to where they're likely
21 concentrated in these black spots. That
22 probably in and of itself takes a good, long
23 time. I can't imagine these fibers are
24 migrating rapidly through the lung.

25 The other thing is that there is

0093

1 probably some mesothelial cells are probably
2 more resistant to the damage that asbestos
3 fibers may cause; others probably less
4 resistant. By the time -- and there are
5 probably some mesothelial cells that are

6 probably killed by the asbestos fibers.

7 So that the process of carcinogenesis
8 at the level of the pleura is probably a
9 function both of time of migration and then the
10 actual time that it takes to induce a malignant
11 mesothelial cell also takes a long time. The
12 establishment of a durable malignant clone,
13 probably takes quite a long time.

14 Excuse me. I would like to take a
15 break.

16 (Whereupon, a recess was then taken.)

17 BY MR. KRISTAL:

18 Q. Okay. I note in the folder, and I
19 don't know if it's a function of recycling a
20 folder or something, it says -- and this is the
21 inside folder of Exhibit 5 -- there's a little
22 star and it says brake paper.

23 Unrelated to this case?

24 A. Yes, unrelated.

25 Q. We'll save that deposition for a

0094

1 different day.

2 I guess I do have a question, though,
3 on that. In the book when you talk about
4 exposures to asbestos from brake mechanics, I
5 don't know if you recall you cite to -- there's
6 an article called "Brake Emissions" or "Dust
7 Exposure to Brake Emissions." Muhlbaier or
8 something like that. That's a blow-out article,
9 right? It's talking about emissions from brakes
10 during the braking process?

11 A. Right.

12 Q. Why do you use that as the measure of
13 brake mechanics' exposures when they're doing the
14 grinding and beveling which are completely
15 different exposures? M-U-H-L-B-A-I-E-R is the
16 spelling, I believe.

17 A. I'm not sure how much data exists in
18 the other regard.

19 Q. In the other regards, okay. Because it
20 makes -- it seem like the only exposures are
21 those exposures and those are low exposures,
22 which they may very well be, but it ignores a
23 whole other -- maybe I need to speak to
24 Dr. Roggli. It's just a point that seems odd
25 for me.

0095

1 MR. SCRUDATO: We didn't prepare for
2 that.

3 MR. KRISTAL: I know.

4 MR. SCRUDATO: You should charge
5 Mr. Kristal for that Q and A.

6 MR. KRISTAL: It's just a little bit of
7 a pet project of mine.

8 BY MR. KRISTAL:

9 Q. All right. The affidavit in here,
10 what's that?

11 So the record is clear, in Exhibit 5,
12 the folder, there's an affidavit in the Mass and
13 Fleckner cases.

14 Paul, if you want to say what you said,
15 I don't care what you're telling him what it's
16 about. That's fine. I'm not criticizing you
17 because it's not all that big a point. I would
18 if it was a big point.

19 Was this done in terms of the
20 consolidation of the two mesotheliomas?

21 A. Yes.

22 Q. Okay. And how did that -- I'm sorry,
23 go ahead.

24 A. Again, this was argued against
25 consolidating these two claims.

0096

1 Q. And I guess the question is: How did
2 you view your role as a pathologist in terms of
3 that issue?

4 MR. SCRUDATO: Jerry, he can't answer
5 that question. I mean --

6 MR. KRISTAL: Okay. That's fine.

7 MR. SCRUDATO: You know, if you want to
8 ask him about the statements in the affidavit,
9 that's fine.

10 MR. KRISTAL: Only because it's you,
11 Paul.

12 MR. SCRUDATO: Okay.

13 BY MR. KRISTAL:

14 Q. Since it's kind of in the affidavit,
15 although I think it's misspelled, what's your
16 definition of idiopathic mesothelioma?

17 A. Idiopathic means that there's no known
18 cause.

19 Q. Okay.

20 MR. SCRUDATO: Jerry, off the record.
21 (Discussion off the record.)

22 BY MR. KRISTAL:

23 Q. And here you use the terms in the
24 affidavit idiopathic or sporadic, and you're
25 using those synonymously?

0097

1 A. Right.

2 Q. So if I'm understanding your definition
3 of idiopathic, it's not that there is no cause,
4 it's just that the cause is not known?

5 A. Yes. It's something. Something
6 obviously had to result in the transformation of
7 those mesothelioma cells, but whether it was
8 ionizing or -- we don't -- in the absence of the
9 other known causes, asbestos ionizing radiation,
10 chronic inflammation, in the absence of those
11 factors, the process of mesothelioma is
12 idiopathic or sporadic.

13 Q. Is that basically a differential
14 diagnosis in terms of causation? In other
15 words, you go over the various exposures that
16 you believe are causative, and if none of those
17 exist then it becomes idiopathic?

18 A. Yes. And if asked to classify it as
19 idiopathic, yes.

20 Q. And if I understood you correctly, I
21 think what you're saying is that known causes of
22 mesothelioma are exposure to asbestos
23 nonionizing --

24 A. Ionizing.

25 Q. Ionizing. What did I say, iron?

0098

1 A. Nonionizing.
2 Q. Tell me what you believe are the causes
3 to a reasonable degree of medical certainty of
4 malignant pleural mesothelioma?
5 A. What are the causes of malignant
6 pleural mesothelioma?
7 Q. Yes.
8 A. Okay. Asbestos, ionizing radiation,
9 asbestos-formed minerals such as erionite.
10 Q. I think you said something about
11 inflammatory?
12 A. Yes. Chronic pleural inflammation,
13 people who have had presence of some irritating
14 process going on in their pleural space. And
15 then idiopathic. Those are the -- those are the
16 generally accepted causes of --
17 Q. Okay. Maybe we're just -- it's a
18 language problem. Idiopathic is not a cause.
19 Idiopathic is a doctor throwing up his or her
20 hands and saying, I don't know what the cause
21 is, therefore, I call it idiopathic; is that
22 correct?
23 A. Yes. But if you were to look in the
24 textbook of pathology, mesotheliomas may be
25 asbestos, ionizing radiation, pleural
0099 inflammation, or idiopathic.
1 Q. Okay. Do you have an opinion as to
2 what the -- strike that.
3 Is there a what's called a background
4 incidence of mesothelioma?
5 A. A background incidence? Yes. It's
6 both gender and site specific, but in pleural
7 mesotheliomas.
8 Q. Pleural mesotheliomas in men.
9 A. It's between 80 and 90 percent are
10 caused by asbestos.
11 Q. And what percent of those are ionizing
12 radiation?
13 A. A vast minority.
14 Q. And --
15 A. Less than one percent.
16 Q. Inflammation?
17 A. Less than one percent.
18 Q. And the asbestos-formed materials such
19 as erionites?
20 A. If you go to two villages in Turkey,
21 it's pretty darn high. But in the United
22 States, it's negligible.
23 Q. So then, in your opinion, somewhere
24 between 19 or so percent to 20 percent, or it
0100 would be around 10 percent to 20 percent are
1 idiopathic?
2 A. Somewhere between 8 and 18 percent.
3 Q. Are no known cause?
4 A. No known cause.
5

6 Q. Is there a rate of mesothelioma per
7 million in your opinion that has -- that occurs
8 in the general population without asbestos
9 exposure?

10 A. I don't know the epidemiology of that
11 particular cohort, no.

12 Q. Let's get the materials that belong in
13 the folder 5 back.

14 If you could give me folder 6.

15 A. Folder 6.

16 (Discussion off the record.)

17 MR. KRISTAL: Let me just ask you about
18 Mass. The cover letter that's in there from the
19 attorney in the Mass folder asks you to contact
20 one of the attorneys, I think, after you've done
21 your study, but before the report is written.
22 Something like that.

23 MR. SCRUDATO: Could you show him the
24 cover letter?

25 MR. KRISTAL: It's in there. I think
0101 it's from -- it's on this kind of letterhead.
1 Marin Goodman.

2 MS. MILLER: I'm going to object to the
3 form of that question, since I'm the attorney.

4 MR. KRISTAL: Please call either Diane
5 Miller or Paul Scrudato to discuss this case
6 after you've had a chance to review it.

7 MR. SCRUDATO: Okay.

8 BY MR. KRISTAL:

9 Q. Did you do that?

10 A. I honestly don't remember.

11 Q. Do you have any notes about the contact
12 in this case --

13 A. No.

14 Q. -- or anything written?

15 A. No, I don't.

16 Q. Okay. In the Fleckner file, which is
17 Exhibit 6, there's a copy of a cover letter and
18 a copy of Dr. Moline's report; is that correct?

19 A. Yes.

20 Q. And then there's a May 11, 2005 --
21 there's a May 11, 2005, cover letter from Paul's
22 assistant to you enclosing some medical records,
23 which I think the sum and substance of the
24 medical records are behind that; is that
0102 correct?

1 A. Yes.

2 Q. And then you got another letter, May
3 11, 2005, enclosing some slides.

4 A. Yes.

5 Q. Is that right?

6 A. Yes.

7 Q. And then you got another letter in
8 August 1st of 2005 enclosing additional slides
9 from Memorial Sloan-Kettering?
10

11 A. Right.
12 Q. Okay. On the Marin Goodman -- in the
13 letter dated August 1st, there's some
14 highlighted session numbers for the slides. Are
15 those the ones that were specifically reviewed
16 for fiber counts? Why are those highlighted, I
17 guess is my question.
18 A. The two -- if you look, these are all
19 on the bottom three. The one on the bottom two
20 was -- were the diagnostically relevant
21 specimens, the biopsy and radical pleurectomy,
22 and then the bottom one was from a surgical case
23 after following the completion of his surgery.
24 I'm not quite sure why I chose to underline that
25 one.

0103

1 Q. Okay. I think on the back of that
2 letter that you're looking at there's some
3 handwritten notes or the back of one of those
4 letters, whose handwriting is that?
5 A. It's mine.
6 Q. And on this sheet of paper there's some
7 other handwritten notes with a little sticky.
8 Could you tell me what that is?
9 A. These are -- these are the notes that
10 are or a copy of the notes that were performed
11 by Dr. Roggli in the Fleckner case.
12 Q. On the fiber counting and on the fiber
13 analysis?
14 A. Correct.
15 Q. Is there a technician who does that or
16 does Dr. Roggli?
17 A. Dr. Roggli actually did these.
18 Q. How do you know that?
19 A. How do I know? He told me.
20 Q. I mean, were you there when he was
21 doing it?
22 A. No.
23 Q. Does Dr. Roggli normally do the
24 counting or does a technician normally do the
25 counting?

0104

1 A. One of us usually does the assays. I
2 didn't do this particular one because I was
3 convalescing from a bicycling accident and was
4 gimping around the hospital and couldn't
5 physically make it over to the VA.
6 Q. Make it over to the what?
7 A. The VA. Our analytic -- the analytic
8 studies -- electron microscopy are equipped with
9 the machinery to do analytic studies are all
10 Dr. Roggli's side of the street.
11 Q. The .3 gram specimen that was used for
12 the digestion studies, does that have a
13 detection limit in terms of asbestos bodies or
14 fibers?
15 A. A detection limit?

16 Q. Uh-hum.
17 A. I don't understand what you mean.
18 Q. I think I read something about that in
19 one of these articles. Let me see if I can find
20 it.
21 For example, in Exhibit 1, the 1,445
22 cases article, on page 57 it says, quote, The
23 detection limit for a 0.3 gram sample size is
24 approximately three asbestos bodies per gram.
25 For cases in which no asbestos bodies were
0105 detected in the sample, the value is recorded as
1 less than the detection limit for that sample,
2 end quote.
3 That's what's written?
4 A. Yes.
5 Q. What does that mean?
6 A. It means if you don't see any asbestos
7 bodies doing the SEM than you're allowed the
8 option of less than however many.
9 Q. The detection limit is?
10 A. The detection limit is.
11 Q. Okay. So if I'm understanding it, in
12 the Fleckner case, it's not correct that no
13 asbestos bodies were found, it's correct that
14 there were none seen in the sample, and the
15 limitations of that sample mean all you can say
16 is that there were less than three? That would
17 be more accurate?
18 A. Yes. Yes.
19 Q. So why did you say there were none if
20 in reality --
21 MR. SCRUDATO: Wait a minute.
22 Can we go off the record, Jerry?
23 MR. KRISTAL: You don't need to go off
24 the record. I think we're asking questions and
0106 you'll have a chance to clarify. There's no
1 trick here. Let's go over it.
2 THE WITNESS: Okay.
3 MR. SCRUDATO: Did you just say there
4 were no asbestos bodies found in the Fleckner
5 report?
6 BY MR. KRISTAL:
7 Q. If you don't see in a .3 gram sample,
8 if you don't see asbestos bodies, all that means
9 is you can say there are less than three because
10 of the detection limits in the sample size,
11 right?
12 A. Right. For light microscopy.
13 Q. That's not what was used there. That's
14 SEM, isn't it, in the article?
15 A. Yes. For -- I misunderstood what you
16 were saying. Yes.
17 Q. Let's back up.
18 A. Okay.
19 Q. In the Fleckner case, it's your

21 understanding that Dr. Roggli, when he did his
22 counting of asbestos bodies and fibers, was
23 using scanning electron microscopy, SEM?

24 A. Right. Both light and SEM.

25 Q. Okay. And in the article they're

0107

1 talking about, in terms of detection limits,
2 SEM; are they not?

3 A. Right.

4 Q. What it says, in a .3 gram sample of
5 lung tissue, there's what's known as a detection
6 limit?

7 A. Which is known as three.

8 Q. And that's the same sample size that
9 was used in the Fleckner case, .3 grams?

10 A. Right.

11 Q. So what it means when we put it all
12 together is: If you don't see asbestos bodies
13 in that sample, it doesn't mean that there are
14 no asbestos bodies?

15 A. It means that there are fewer than
16 three.

17 Q. Okay. And the same, if you continue
18 down in the article, it talks about asbestos
19 fiber counts on the detection limit for the
20 sample. I think it says, all you can say if you
21 don't see any asbestos fibers is that there's
22 less than -- is it 440?

23 A. Yes.

24 Q. So just to be correct, in the Fleckner
25 report, it's not accurate that there was no

0108

1 asbestos bodies found in that sample, what is
2 accurate is that there were less than three
3 found in that sample?

4 MR. SCRUDATO: Objection. Ask about
5 the report, Jerry. He's written the report.

6 MR. KRISTAL: Exactly. That's what I'm
7 basing my questions on.

8 MR. SCRUDATO: I'm not sure I
9 understood the question.

10 THE WITNESS: The answer to the
11 question is no. There were no asbestos bodies
12 found.

13 BY MR. KRISTAL:

14 Q. Identified?

15 A. Identified. But that does not mean
16 that there were not three asbestos bodies per
17 gram of wet lung tissue.

18 Q. Got you. So the report, when it says
19 no asbestos bodies identified, is not the same
20 as there were no asbestos bodies in that sample?

21 A. Yes. That is correct.

22 Q. Okay. And with respect to the fiber
23 counting, the fact that there were no asbestos
24 fibers found identified in the sample, means
25 that there were potentially 440 or less?

0109

1 A. Potentially, yes.

2 Q. Okay. And it doesn't mean the fact
3 that there was no asbestos fibers found, that
4 there were no asbestos fibers in the sample? It
5 doesn't mean that?

6 A. Precisely.

7 Q. Okay. Was -- strike that.

8 Is there a protocol, that the lab has a
9 written protocol, for counting asbestos fibers
10 or counting asbestos bodies?

11 A. I mean, I don't know if it's written
12 down anywhere, but yes, there's a protocol that
13 we follow.

14 Q. I guess what I'm driving at, the
15 section of the report where it's written that
16 there were 18 consecutive uncoated fibers
17 examined by electron dispersion x-ray analysis,
18 where does that number come from?

19 A. That means that was all that we -- that
20 was all -- notice that we counted -- that he
21 counted 100 consecutive fields and only
22 identified 18.

23 Q. That's not what it says. Am I missing
24 something? Doesn't it say there was 8,280
25 uncoated fibers per gram?

0110

1 MR. SCRUDATO: Read the report.

2 BY MR. KRISTAL:

3 Q. Yes. Take your time.

4 A. That number of 8,280 fibers is based on
5 a calculation based on the number of fibers
6 observed plugged into an equation. Not that
7 we've detected 8,000 -- or that we saw and
8 counted 8,280 uncoated fibers. That's an
9 extrapolated number.

10 Q. Extrapolated number based on what? The
11 area of the sample?

12 A. Yes. The area --

13 Q. The thickness of the sample?

14 A. And the weight of the sample.

15 Q. So it's all three: Area, thickness,
16 and weight?

17 A. Yes. I don't know about thickness, but
18 the area, the filter, and the --

19 Q. Well, just tell me your understanding
20 as to what the 8,080 uncoated fibers per gram of
21 wet lung tissue means?

22 A. Again, that is a calculation. That is
23 an extrapolated number based on the number of
24 fibers observed in an area of the filter size
25 and -- there are other variables that are used

0111

1 to derive that number, including the weight of
2 the specimen and the area of the filter.

3 Q. And can you tell me -- let's assume
4 that I want to check the math. What numbers are

5 you plugging into what equation to get that
6 number?

7 A. The uncoated fiber numbers.

8 Q. So you start with 18?

9 A. Yes. And you plug --

10 Q. Then you do what?

11 A. Then you plug that into the equation
12 and that's the number that was generated.

13 Q. Okay. And can you tell me what the
14 equation is?

15 A. Gosh, not offhand. I always have to
16 refer to that as a long equation.

17 Q. What makes you say that there were only
18 fibers -- strike that.

19 What makes you say that there were only
20 18 fibers found in the sample physically or
21 observed? Because that doesn't say that there,
22 does it? It says there were 18 that were
23 examined by EDXA.

24 A. You have to -- you have to take with
25 this a familiarity with -- this is what our
0112 typical worksheet --

1 Q. So you're talking about the Xerox copy
2 of the counting?

3 A. This is a -- this is the typical
4 worksheet of what we use when we're examining
5
6 this in the M lab. And every field, we look to
7 see if there's asbestos fibers or asbestos
8 bodies or if they're uncoated fibers. And we
9 basically go for a hundred fields. And every
10 time we see an uncoated fiber and once we get to
11 50, we tend to stop.

12 But beyond that, we -- every fiber we
13 see we record, and then we examine using the
14 EDXA. So that's how I know that 18 -- that only
15 18 fibers were identified.

16 Q. Okay. And the sample size that we're
17 talking about here?

18 A. That was our typical --

19 Q. That's the .3 grams?

20 A. That is our standard. For most cases,
21 that's our standard analytic volume. In
22 patients with asbestosis, when there's asbestos
23 fibers everywhere and you can't hardly see the
24 filter because of the asbestos fibers all over the
25 place, we use a smaller size. But 0.3 is our
0113 standard diagnostic weight.

1 Q. And was this from a -- taken from a
2 sample that was in a block?

3 A. No. This was actually wet lung tissue
4 that was provided to me.

5 Q. Okay.

6 A. Not paraffin -- not --

7 Q. Okay.

8

9 A. Formal and fixed, but not paraffin
10 embedded.
11 Q. So you had some actual lung tissue that
12 was formal and fixed to preserve it?
13 A. Right.
14 Q. And somebody took a slice of that?
15 A. Yes.
16 Q. I want to know physically the process.
17 You got a piece of lung sitting in your lab.
18 A. Okay. The lung in my lab. I was
19 instructed not to use -- not to use it all in
20 case opposing counsel had wanted to do an assay.
21 There was a fairly generous amount of lung
22 tissue.
23 I took some, gave it to our -- we
24 actually have technicians that do the actual
25 physical preparation and digestion and
0114 preparation of the filters we use.
2 Q. And how does that work? You give it to
3 a technician?
4 A. Yes.
5 Q. What does a technician do?
6 A. The technicians digests or gets rid of
7 all the alveolar tissue, the blood vessels, and
8 the airways. And all that you're left with is the
9 material that's insoluble in bleach.
10 Q. And they are doing that on a .3 gram
11 sample?
12 A. Yes.
13 Q. So they take a slice of the portion of
14 the lung that you have and they weigh it out,
15 you know, and you get .3 grams?
16 A. Correct.
17 Q. Yes. And what portion of the lung did
18 you have from -- in other words, from where in
19 the lung?
20 A. It didn't specify.
21 Q. The right, left, top, bottom?
22 A. It was from the -- Dr. Fleckner's
23 radical pleurectomy specimen, but didn't
24 actually specify on the container where the cite
25 was. It just had the name, the succession
0115 number. It didn't actually specify this is from
2 which lobe, central, peripheral. It did not
3 otherwise specify.
4 Q. All right. So in the sample that we're
5 talking about, the .3 grams, we don't know if it
6 was upper, middle, or lower lobe, or whether it
7 was central or peripheral lung tissue?
8 A. Correct.
9 Q. And the .3 grams of tissue, is that
10 mounted on a slide before it's digested in
11 bleach?
12 A. No.
13 Q. Is it put in a little dish?

14 A. It's put in a little cup and then it's
15 taken to -- over to our processing lab. They
16 take it out of the cup, weigh off the piece, and
17 then digest it in bleach.

18 Q. Okay. And then you had a little pool
19 of liquid and stuff at the bottom of the cup?

20 A. No. Then it's all -- it's all run
21 through some filter apparatus. So you were
22 actually left with a filter containing all the
23 residue.

24 Q. Okay. So the -- there's a liquid in a
25 cup that's eating away at all the lung tissue?

0116

1 A. Right.

2 Q. And at some point someone determines
3 all the lung tissue was gone, right?

4 A. Right.

5 Q. And so you have a little puddle of
6 liquid in the bottom of the cup?

7 A. Uh-hum.

8 Q. Is that a yes?

9 A. Yes, it is.

10 Q. And then it gets poured through a
11 filter?

12 A. It gets suck -- there's a suction
13 apparatus, and so it gets sucked through a
14 nuclear port filter.

15 Q. What is the thickness of the slice of
16 the .3 grams? Is it --

17 A. The thickness --

18 Q. Is it less than five microns?

19 A. The thickness of the actual tissue that
20 gets submitted?

21 Q. Uh-hum.

22 A. No. It's about the size of -- I don't
23 know -- .3 grams, what's the --

24 Q. Some point of reference?

25 A. Some point of reference. Like little

0117

1 piece of Hershey's chocolate.

2 Q. And then that's poured through a filter
3 or sucked through a filter?

4 A. Right.

5 Q. And then what's left is the residue or
6 is there something left?

7 A. The residue.

8 Q. Not visible to the naked eye?

9 A. No, it's visible. Because it usually
10 tends to be -- in the lung tissue there's carbon
11 and other stuff. You can actually see it.

12 Q. And then that filter, what's done with
13 the residue that's on that filter?

14 A. Actually, two filters are made by two
15 different specimens. One is used to quantify
16 asbestos just with the naked eye -- not the
17 naked eye -- but with light microscopy, and then

18 the other specimen is used for the SEM lab.
19 Q. So there were two separate digestions
20 of two separate .3 gram samples going on?
21 A. That is correct.
22 Q. And the residue that's left on the
23 filter go to two different places?
24 A. They go to two different filters, yes.
25 Q. Okay. And you're looking through a
0118 microscope and it's broken up into grids which
1 are called fields?
2 A. Yes.
3 Q. And then --
4 A. No. We don't -- our machinery doesn't
5 actually have grids.
6 Q. Okay. How do you know -- how do you
7 distinguish one field from the next?
8 A. You're actually able to -- on the SEM
9 you're actually able to move the specimen around
10 the unit where the electron beam passes, so you
11 can tell, you're actually able to watch as
12 you're moving the specimen around the -- and we
13 do, you know -- we do this not in a haphazard,
14 but in a precise manner of examining fields and
15 in different lines. We sort of form our own not
16 really grids, but they're sort of transects.
17 But it's not picking here and picking there. We
18 actually move up and down.
19 Q. There's a uniform way of guessing?
20 A. Yes.
21 Q. Now, when you look at your page 2, it
22 says analytical results at the top.
23 A. Yes.
24 Q. There's one light microscopy and one
0119 that is SEM, and if I understand you correctly,
1 those are two different samples?
2 A. Yes.
3 Q. How do you explain under the light
4 microscopy, one sample there were 13.3 asbestos
5 bodies per gram and under SEM there was no
6 asbestos bodies detected?
7 A. That there was some variation.
8 Q. Okay. How close -- well, strike that.
9 The two pieces of little Hershey
10 chocolate that were cut that were digested, were
11 they adjacent pieces of lung?
12 A. Yes. Because what I was given was just
13 one cube of lung tissue.
14 Q. Right.
15 A. And I split off of that two pieces that
16 I guesstimated were around .3 grams, and our
17 technicians had to do a little trimming to make
18 sure that they made exactly .3 grams. But no,
19 they were all from the cube of lung tissue that
20 was provided to me.
21 Q. I assume they were all from the same

23 cube. My question is: Were they adjacent
24 slices?

25 A. Yes.

0120

1 Q. So like you're slicing baloney, you put
2 a big thing of baloney in and took two slices?

3 A. No. Actually, I took one slice and cut
4 that one in half.

5 Q. Okay. Can you use the SEM microscope
6 to look at the sample that was prepared for the
7 light microscope?

8 A. No.

9 Q. Why is that?

10 A. Because -- well, you would have to -- I
11 mean, you could, but it would -- I don't think
12 I've ever done so. What it would involve doing
13 is lifting the cover slip off. You'd have to
14 peel the nuclear port filter off the glass, you
15 risk destroying that, then you have to take that
16 down, sputter coat that with gold. It would be
17 a mess.

18 Q. It wouldn't give you an accurate count
19 because you're fiddling with the filter, in
20 essence?

21 A. Yes. And you run the serious risk of
22 damaging the filter.

23 Q. Is SEM more or less sensitive at
24 detecting asbestos bodies? It's a higher
25 magnification?

0121

1 A. Yes. It's a higher magnification, but
2 -- and you're working at a higher magnification,
3 so you might -- you might run the risk of
4 perhaps overlooking an occasional asbestos body.
5 So maybe SEM is a little bit less sensitive than
6 light microscopy because in -- the other thing
7 with our methodology is we don't -- with light
8 microscopy if we don't -- again, we examine
9 transects. We don't examine grids. We sort of
10 examine the transects.

11 In a 100 -- in the filter in its
12 entirety in the X axis, the filter in its
13 entirety in the Y axis. If we don't see any
14 asbestos bodies, then we will go and
15 systematically examine the entire filter. We
16 don't examine with SEM the -- if we don't see
17 any asbestos bodies, we don't examine the entire
18 filter. So we just use -- regardless, we stop
19 at 100 fields.

20 Q. Oh, I see. So I misunderstood then.
21 The filter itself, what you're looking at is not
22 totally divided into a hundred fields?

23 A. No.

24 Q. There were more than a hundred fields,
25 it's just that you -- for counting purposes, you

0122

1 count 100 fields?

2 A. We count a hundred fields at a thousand
3 mags of magnification.
4 Q. How many fields are there at that
5 magnification?
6 A. I don't know.
7 Q. Are we talking about 105 or are we
8 talking about 10,000?
9 A. We could probably figure it out based
10 on the area.
11 Q. Is it orders of magnitude more than a
12 hundred?
13 A. It's -- again, I'm not -- I don't think
14 -- it's not significantly more, no.
15 Q. Tell me what you mean by significant.
16 In other words, is it a thousand? I guess --
17 let me rephrase it.
18 To the best estimate that you can give,
19 what percent of the entire number of fields on
20 the filter does a hundred represent?
21 A. Again, I'm not sure what the answer is.
22 Q. Okay. The normal range for your lab
23 means what? Where did that come from? Do
24 different labs have different normals?
25 MS. MILLER: Jerry, what do you mean by

0123

1 normal?
2 MR. KRISTAL: It says here, within our
3 normal range.
4 MS. MILLER: I didn't know if you were
5 reading off a report.
6 BY MR. KRISTAL:
7 Q. Yes. It says, our -- reference what
8 our normal range of 0 to 20 asbestos bodies per
9 gram. What do you mean by that?
10 A. That means if you were to examine --
11 and this was all work that was done by
12 Dr. Roggli and Dr. Pratt a number of years
13 ago -- and it means if you were to take the lung
14 tissue of any of us in this room and assuming
15 that none of us has a history of working as a
16 spray insulator to pay our way through college
17 or professional school, that all of us in this
18 room would have somewhere between 0 and 20
19 asbestos bodies per gram. And that was worked
20 out again by Dr. Roggli, examined and doing
21 asbestos body counts on people with no history
22 of asbestos exposure.
23 Q. Just so you -- of course I like you. I
24 don't want you to be misquoted later on. You
25 aren't saying that normal as compared to people

0124

1 who were asbestos sprayers, you were using that
2 as an example, not as a true definition?
3 A. I was using that as an un -- probably
4 rather than normal. The better phraseology
5 would have been an unexposed control group.
6 Q. Right. Whatever the asbestos exposure

7 was?
8 A. No. With no -- the unexposed.
9 Q. No, I understand that. Okay. It
10 seemed like you were comparing normal to
11 asbestos sprayers, which is not what I think you
12 meant to say.
13 A. No. What I'm trying to say is our
14 range of normal values is based on an analysis
15 of lung tissue in people with no known history
16 of asbestos exposure and no evidence of pleural
17 pulmonary asbestos disease.
18 Q. And that was from -- that was from 19
19 people? I'm trying to think of the number of
20 people.
21 A. I can't remember what the number --
22 Q. I read that somewhere, also. Let me
23 see if I can find it.
24 A. I'd have to go back and look. That
25 preceded that article. This was done a number
0125
1 of years ago. I can't remember what his control
2 group or how many individuals were examined in
3 the control group. I think it was in the
4 hundreds.
5 Q. Okay. And the -- although it doesn't
6 say it in the report, at least I didn't see it,
7 the fibers that were being counted had to meet
8 the definition of being five microns or greater
9 -- is it greater than five microns or five
10 microns and greater?
11 A. Five microns and greater.
12 Q. In length. At least a three-to-one
13 aspect ratio?
14 A. Right.
15 Q. And roughly parallel sides.
16 A. Yes. And yes, very much parallel
17 sides.
18 Q. So that if there was a four micron
19 fiber that was viewed, it would not be counted?
20 A. No.
21 Q. What I said was correct, right?
22 A. Yes.
23 Q. There was a double negative.
24 So when the report says no asbestos
25 fibers were identified, to be more precise, no
0126
1 asbestos fibers greater than five microns with
2 an aspect ratio of three-to-one with parallel
3 sides was identified?
4 A. Yes.
5 Q. Is it correct that individuals have
6 different potentials to coat asbestos fibers?
7 A. Yes.
8 Q. And that some people are able to coat
9 asbestos fibers very well?
10 A. Yes.
11 Q. And other people don't seem to be able

12 to coat asbestos fibers?
13 A. Yes.
14 Q. Is it correct that asbestos fibers --
15 strike that, asbestos bodies tend to form only
16 on fibers which are 20 microns or more in
17 length?

18 A. Yes.
19 Q. Is it correct that asbestos bodies are
20 generally a poor indicator of the pulmonary
21 chrysotile asbestos burden?

22 MR. SCRUDATO: Could you repeat that
23 question?

24 BY MR. KRISTAL:

25 Q. Sure. Do you agree that asbestos

0127
1 bodies are generally a poor indicator of the
2 pulmonary chrysotile asbestos burden?

3 A. Yes.
4 Q. Is that because most chrysotile fibers
5 in the lung are less than 20 microns?

6 A. Well, let me back up. I think -- I'm
7 not sure I -- I think I've changed my answer to
8 asbestos bodies -- I think they're probably a
9 poor indicator of exposure to chrysotile, but
10 whether -- how much you can infer about
11 chrysotile burden based on asbestos bodies I
12 think is -- I'm not sure, because in general
13 chrysotile burdens in the lung tend to be
14 minimal.

15 Q. Let me give you a very precise
16 statement and you just tell me if you agree or
17 disagree as I've worded it, okay?

18 Do you agree or disagree that asbestos
19 bodies are generally a poor indicator of the
20 pulmonary chrysotile asbestos burden?

21 Do you agree or disagree?
22 A. I'm not sure I know the answer to that.

23 Q. Okay. But you do agree -- I'm trying
24 to put in the words that you used -- that
25 asbestos bodies are generally a poor indicator

0128
1 of chrysotile exposure?

2 You would agree with that?

3 A. Yes.

4 Q. Okay. And is that because most
5 chrysotile fibers are less than 20 microns in
6 length?

7 A. That's because I think chrysotile
8 fibers tend to be longer than that and they tend
9 to break down and get clear.

10 Q. Okay. So chrysotile fibers when
11 they're inhaled are longer than 20 microns?

12 A. I believe so, yes.

13 Q. And then they break down into shorter
14 fibers?

15 A. Yes.

16 Q. And they also break down into what are

17 called fibrils?

18 A. Fibrils.

19 Q. And fibrils are defined as what?

20 A. Less than five micron fragments.

21 Q. So chrysotile breaks down in the lung

22 even if they come into the lung at longer than

23 20 microns into fibers that are both greater

24 than five microns and into fibrils which are

25 less than five microns?

0129

1 A. Yes.

2 Q. In the article -- I think it was the

3 1,445 cases article -- there's mention of a

4 potential referral bias.

5 A. Yes.

6 Q. Because of the nature of the practice

7 being I think it said greater than 90 percent

8 medical/legal referrals?

9 A. Yes.

10 Q. What is the nature of the bias, though?

11 In other words, I understand there may be a

12 bias, but in what way is it potentially bias?

13 A. Well, I think any time that you have --

14 that when you're looking at a study group and

15 you can find some sort of parameter extraneous

16 to the variable that you're studying, that

17 confers a bias.

18 Q. Okay. And a bias in which direction?

19 A bias towards higher fiber burdens that you're

20 seeing in your lab, lower fiber burdens,

21 different types of fiber burdens? In other

22 words, what is the nature of the bias?

23 A. Well, I think the nature of the bias in

24 this case is that historically this practice has

25 examined lung tissue in people with significant

0130

1 exposures to asbestos in shipyard workers,

2 insulators, and more for the confirmation, the

3 diagnosis, more often than not. Where causation

4 wasn't being so much the issue, the issue was

5 diagnosis. So I think that -- and these -- and

6 I think that that in and of itself is -- in

7 parts a bias on our study population.

8 Q. Right. I don't disagree with that.

9 But what is the nature of the bias? Biasing

10 towards what or away from what? Biasing towards

11 seeing a lot of mesos? In other words -- I'm

12 not trying to give you a hard time. I saw it in

13 reference to the article.

14 A. And the other thing -- well, the bias

15 is that these -- I think that we weren't dealing

16 here with a lot of potential idiopathic

17 mesotheliomas. These were all people I think

18 who had -- the purpose of this article was not

19 about the biology of mesothelioma, about

20 asbestos attribution, it was about occupation.

21 And I think the fact that we were

22 dealing with a great many people say from the
23 Baltimore area, all of whom were retained by
24 Peter Angelos, that you were having a selection
25 bias that incorporated perhaps an inordinate

0131

1 amount of shipyard or steel yard workers from
2 Sparrow's Point.

3 Q. I understand. So you're saying the
4 bias is to the nature of the occupations
5 involved?

6 A. Yes.

7 Q. Okay.

8 A. Yes, the bias is -- again, that we
9 weren't looking at all the mesotheliomas that
10 had ever walked through the door at Duke
11 Hospital since they opened the doors, but
12 looking at --

13 Q. It wasn't a random sample?

14 A. We're looking at -- yes. We're looking
15 at a very nonrandom sample, but a sample on a
16 physician whose practice is overwhelmingly in
17 asbestos litigation. So you were looking at a
18 sort of preselected group of people.

19 Q. And overwhelming with respect to a
20 particular occupation?

21 A. Exactly.

22 Q. So if I'm understanding you then, what
23 you were giving a reader a heads up is that this
24 break down of occupations and break down of job
25 descriptions in our lab is not necessarily

0132

1 representative of what --

2 A. It's non-random.

3 Q. Non-random, okay. Now, I understand.

4 I really didn't know what the bias you were
5 talking. It seemed bias, but I just didn't know
6 in what way.

7 (Whereupon, Sporn Exhibit 8, a report,
8 was then received and marked for
9 identification.)

10 BY MR. KRISTAL:

11 Q. I marked as Exhibit 8, I believe it's a
12 copy of your report. Although, it's unsigned
13 and the one in your folder is signed with a
14 surgical pathology report attached and a fax
15 page; is that correct?

16 A. Yes.

17 Q. Let me mark as Exhibit 9 your
18 curriculum vitae.

19 (Whereupon, Sporn Exhibit 9, curriculum
20 vitae, was then received and marked for
21 identification.)

22 BY MR. KRISTAL:

23 Q. I meant to ask you, on the Xerox copy
24 from the Fleckner folder, which is Exhibit 6 of
25 the counting, the sticky itself, as I

0133

1 understand it now, which is not a Xerox copy of
2 anything, is the separate count for the light
3 microscopy asbestos body count?
4 A. Yes. And then there's also my
5 annotation that there was no asbestos bodies --
6 this corresponds to -- the sticky corresponds to
7 the -- in black, that's Dr. Roggli's
8 handwriting. The blue handwriting is mine. And
9 I want to make sure that I understood the blank
10 here where it said AB for asbestos bodies, and
11 it said there were no asbestos bodies seen in a
12 hundred consecutive thousand sealed, yes.
13 Q. Okay. Exhibit 9 is a copy of your
14 curriculum vitae. I'm sure -- although I
15 haven't compared it, it is the same as what's in
16 your manila folder. I think it was. I'll give
17 you a copy of it and ask you if that is correct.
18 A. Yes.
19 Q. Okay. Is your current position at Duke
20 a tenure track position?
21 A. Yes, it is.
22 Q. And what are the steps for you to get
23 tenured? You're now an assistant professor of
24 pathology?
25 A. Yes.
0134
1 Q. What's the next step up?
2 A. Associate professor.
3 Q. And then full professor?
4 A. Full professor.
5 Q. And is that the tenured position?
6 A. Well, no. You get -- the next step is
7 associate professor with tenure.
8 Q. Okay. What's the difference in
9 ranking? What distinguishes an assistant
10 professor from an associate professor from a
11 full professor? How well the chairperson likes
12 you?
13 A. Not so much your chair. It's how much
14 the advancement promotion tenure committee likes
15 you. You are obliged to serve somewhere
16 between seven and 13 years at the assistant
17 professor level without tenure. And then you
18 end up getting together with -- your application
19 for tenure is provided to the advancement
20 promotion and tenure committee by your chairman
21 based on your academic achievement, your service
22 to Duke, to the medical center, the medical
23 center to the university and humanity in its
24 entirety, and they decide whether you are worthy
25 of being tenured.
0135
1 Q. Okay. Were your four years of college
2 consecutive?
3 A. No. There was a -- my college career
4 actually spanned six years, and it was not
5 consecutive.

6 Q. Right. I was trying to figure out if
7 you were born in '57, you would have graduated
8 high school sometime around '74 or so, and then
9 you graduated college in '82. So tell me what
10 you did after graduating high school through
11 your college graduation.

12 A. Okay. After graduating from college --
13 I graduated from college -- actually from high
14 school in 1976.

15 Q. Okay.

16 A. I attended Carleton College in
17 Northfield, Minnesota, and after two seasons of
18 football decided I couldn't take it -- I
19 couldn't take it anymore. And so I -- at that
20 point I thought I was going to be a marine
21 biologist, since you asked, and I went out and
22 studied -- I took some courses at University of
23 Southern California Institute for Marine and
24 Coastal Studies on Catalina Island.

25 After some soul searching, I decided

0136

1 that being a marine biologist was probably not
2 going to happen. I took some time off. I
3 worked in an operating room in Washington, D.C.,
4 my hometown. And I applied to colleges. In
5 1979 I joined -- I matriculated to the
6 University of Vermont, and I graduated in 1982.

7 Q. And then from there you went --

8 A. And then I went straight -- after
9 following the summer break after 1982 I joined
10 or I got accepted to Georgetown, the medical
11 school, where I went straight through four years
12 of medical school at Georgetown. I'm really --

13 Q. I'm taking so many depositions lately,
14 I'm getting my experts confused. Are you the
15 guy who's the medical examiner in North
16 Carolina, or am I thinking of someone else?

17 A. To the annoyance of my wife, I've had
18 these little side ventures here and there.

19 Q. So you graduate Georgetown in '86?

20 A. Again, I had no thoughts of going into
21 pathology. At that point I was -- my main
22 interest was in -- was in chest disease. I did
23 a fellowship in chest disease in critical care
24 medicine at Georgetown University Hospital. And
25 by that point I had a -- couldn't wait to get

0137

1 out of DC. I found a nice hospital in
2 Bremerton, Washington, which is about as far
3 away from Washington, DC, as you can get.

4 Q. Is that where you met Dr. Hammar?

5 A. That's where I met Dr. Hammar. And it
6 became clear to me that really the science of
7 chest disease and the science of trauma were
8 more interesting to me than the actual taking
9 care of patients so afflicted. And I -- Sam and
10 I talked -- I talked about potential additional

11 training where I might go for this and he
12 recommended Duke. And so I flew out from
13 Bremerton, I met Victor Roggli, and began my
14 residency at Duke.

15 I was appointed as one of the county
16 medical examiners here. And there was a chance
17 for me to do a full-time forensics fellowship,
18 because, again, I'm interested in trauma as
19 well. And I spent the whole academic year of
20 '94 and 1995 at the office of chief medical
21 examiner down the road in Chapel Hill, and I
22 continued on as one of their forensic
23 pathologists until I joined the faculty at Duke.

24 Q. And then you became board certified in
25 pathology in the year 2000?

0138

1 A. Yes.

2 Q. Was that the first time you had taken
3 the pathology boards?

4 A. Yes, it was. I was combining anatomic
5 path and forensic path, so I first had to take
6 the anatomic path boards, which I passed, and
7 then the forensic boards, and I passed them the
8 first time.

9 Q. What was your role in the updated
10 version of the text with respect to the chapter
11 on mesothelioma?

12 A. I was the senior author.

13 Q. I know. But did you take the first
14 chapter and just try to tweak it a little and
15 update it a little because it certainly wasn't
16 written whole cloth from scratch?

17 A. It pretty much was written whole -- a
18 great deal of it was written from scratch. When
19 the -- I followed the same general format, but a
20 great deal of the staging hadn't been published
21 in '92, and I had the -- all the advances in
22 immunohistic chemistry hadn't been -- the
23 antibodies that are now used for diagnosing
24 mesothelioma, those really didn't come into
25 clinical practice until '96, '97, four years

0139

1 after the first edition of the book.

2 Q. Okay. But are you saying that certain
3 sections you wrote pretty much from scratch and
4 other sections you took what had previously been
5 written and then tweaked it up?

6 A. Updated it.

7 Q. Updated.

8 A. Yes.

9 Q. Because some of the sentences are word
10 for word and the paragraphs are the same?

11 A. Yes.

12 Q. And the odds of that happening from
13 scratch would be minimal.

14 A. No. Yes, I think -- there's a certain
15 -- of the historical elements and nothing

16 clearly had been changed, so my -- the newer
17 parts related strictly to diagnostic techniques.

18 Q. In terms of the immunohistic and --

19 A. Exactly.

20 Q. Okay.

21 A. And again, some of the staging and the
22 clinical outcomes.

23 Q. How did you get involved in this case?

24 In other words, you got a call from Paul or
25 somebody called you at some point?

0140

1 A. Yes.

2 Q. And tell me how that came about.

3 A. It's my recollection that Paul called
4 me last spring and would I be interested in
5 reviewing a couple of cases involving
6 mesothelioma to dentists.

7 Q. Did you know who Paul was before that?

8 A. No.

9 Q. Had you met him at any point in time?

10 A. No, I hadn't.

11 Q. Did you ask him how he got your name or
12 why you?

13 A. No, I didn't.

14 Q. I'm going to ask you some questions,
15 but, in essence, what I'm going to ask you is a
16 number of items if you agree or disagree with
17 what I'm saying.

18 MR. SCRUDATO: You mean you're looking
19 for yes or no answers?

20 BY MR. KRISTAL:

21 Q. If you can answer them yes or no, fine;
22 if you can't answer them yes or no, let me know.

23 Do you agree or disagree that asbestos
24 exposure is indisputably associated with the
25 development of mesothelioma?

0141

1 A. I agree.

2 Q. Do you agree or disagree that
3 mesothelioma is strongly associated with
4 occupational and paraoccupational exposure to
5 asbestos?

6 A. I agree.

7 Q. Do you agree or disagree that a wide
8 variety of occupational and environmental
9 exposures have been implicated as the source of
10 the exposures causing mesothelioma?

11 MR. SCRUDATO: I'll object to the form
12 of the question. Just because it's loaded with
13 a number of terms, Jerry, that are really
14 undefined. But go ahead and answer the
15 question, if you understand it.

16 THE WITNESS: Please read it to me
17 again.

18 MR. KRISTAL: Sure. It's a sentence
19 from the 1,445 case -- let me see if I can find
20 it.

21 MR. SCRUDATO: Are all these sentences
22 taken from that article?

23 MR. KRISTAL: Not necessarily.

24 MR. SCRUDATO: Okay.

25 BY MR. KRISTAL:

0142

1 Q. Let me read the first two sentences and
2 see if you agree or disagree since you're an
3 author.

4 Quote, The association between
5 mesothelioma and prior asbestos exposure is
6 undisputed, end quote. That's the first
7 sentence. Do you agree with that?

8 A. Yes.

9 Q. Quote, A wide variety of occupational
10 and environmental exposures have been implicated
11 as the source of this exposure, end quote.

12 A. I agree.

13 Q. Still agree. Okay.

14 Mesothelioma may be related to brief
15 low level or indirect exposures to asbestos.

16 A. I agree.

17 Q. A threshold level of exposure below
18 which mesothelioma will not occur has not been
19 identified.

20 A. I agree.

21 Q. Numerous reports document mesothelioma
22 risks associated with particular exposures?

23 A. I agree.

24 MR. SCRUDATO: Object to the form of
25 the question. Go ahead.

0143

1 BY MR. KRISTAL:

2 Q. In the article, Exhibit 1, the 1,445
3 cases, there's some extended discussion about
4 the Australian mesothelioma register.

5 Do you know what I'm talking about?

6 A. Yes.

7 Q. Do you find that the Australian
8 mesothelioma register is a reliable source of
9 information with respect to mesothelioma?

10 A. Yes.

11 Q. Do you agree that pleural plaques and
12 mesothelioma require less exposure to asbestos
13 than is typically associated with the
14 development of asbestosis?

15 A. Yes.

16 Q. Do you agree that mesothelioma is a
17 signal malignancy?

18 A. Yes. Yes, I do.

19 Q. Do you agree that mesothelioma is a
20 devastating disease?

21 A. Yes.

22 Q. Do you agree that mesothelioma is a
23 dreaded and highly lethal disease?

24 MR. SCRUDATO: I'll object to the form
25 of the question, but --

0144

1 THE WITNESS: Yes, I agree with that.

2 BY MR. KRISTAL:

3 Q. Okay. Do you agree that the diagnosis
4 of mesothelioma is met with a considerable
5 amount of nihilism by clinicians?

6 A. Yes.

7 MR. SCRUDATO: What are these --

8 MR. KRISTAL: It's called the lightning
9 round.

10 MR. SCRUDATO: All right.

11 MS. MILLER: What was that word? I
12 didn't hear it.

13 MR. KRISTAL: N-I-H-I-L-I-S-M.

14 THE WITNESS: I wrote that phrase, so I
15 agree with that.

16 MR. KRISTAL: That's why I'm asking.

17 Do you agree that the risk for
18 development of mesothelioma --

19 (Discussion off the record.)

20 BY MR. KRISTAL:

21 Q. Do you agree that the risk for
22 development of mesothelioma increases
23 dramatically with time from initial exposure?

24 A. Yes.

25 Q. Do you agree that asbestos is a

0145

1 powerful mesothelial carcinogen capable of
2 inducing DNA damage alone?

3 A. Yes.

4 Q. Do you agree that nonrandom chromosomal
5 abnormalities, including translocations,
6 rearrangements, and marker chromosomes, have
7 been identified in both experimental asbestos
8 induced and human malignant pleural
9 mesothelioma?

10 A. Yes.

11 Q. Do you agree that in animal studies
12 using SEM that it was demonstrated that
13 chromosomes were frequently entangled with,
14 adherent to, severed or pierced by long
15 curvilinear fibers, and this effect was more
16 pronounced for chrysotile than for crocidolite
17 asbestos?

18 A. I don't know the answer to that
19 question.

20 Q. Do you agree that in studies with
21 cultured human mesothelioma -- strike that.

22 Do you believe that in studies with
23 cultured human mesothelial cells with chrysotile
24 there were significant increases in numerical
25 and/or structural chromosomal abnormalities?

0146

1 A. I don't know the answer to that.

2 MR. KRISTAL: Okay. If we can take a
3 five-minute break and I'll review my materials.
4 I'm pretty much done.

5 (Whereupon, a recess was then taken.)

6 BY MR. KRISTAL:

7 Q. The 1,445 cases article, does that have
8 significance in terms of your opinions in these
9 cases? And if so, can you tell me what it is or
10 what they are.

11 A. I was just curious to see if reviewing
12 that and reviewing our database, if there was
13 health care workers, and specifically
14 dentists -- because they're to my knowledge the
15 only health care workers with the potential for
16 exposure in the course of their practice to be
17 exposed to asbestos.

18 Q. And I think you said there was one case
19 found on the database, none referenced in the
20 article?

21 A. Right.

22 Q. And the significance of that standing
23 opinion you may render in this case is what? In
24 other words, was it a curiosity?

25 A. It was a curiosity. I just wanted to

0147

1 see if we in fact --

2 Q. Right. But it doesn't affect your
3 ultimate opinion on causation one way or the
4 other?

5 A. No.

6 Q. Anything else from the 1,445 case
7 article?

8 A. No.

9 Q. And the other article in folder number
10 4, the Srebro, S-R-E-B-R-O, Roggli, and other
11 article, what's the significance of that, if
12 anything, to your opinions?

13 A. The significance of that was just to
14 see how many -- knowing that there was a bit of
15 chain -- a difference in the methodology between
16 Sam Hammar, who didn't examine uncoated fibers,
17 and our lab, which does examine uncoated fibers,
18 to see if there was a -- to reinforce to me that
19 there's not a huge population out there with
20 normal asbestos body counts who have increased
21 tissue asbestos levels in the form of uncoated
22 fibers.

23 Q. Anything else?

24 A. No.

25 Q. In the 1,445 case article -- I want you

0148

1 to look at this one while I look at the other
2 one. The 7 -- the Table 7, the various fiber
3 lung burden analyses.

4 If I'm reading this chart correctly,
5 the asbestos bodies per gram are in thousands?

6 A. Where, please?

7 Q. There's -- the first column of Table 7
8 asbestos bodies per gram, and there are numbers

9 next to each industry and occupation, right?
10 MR. SCRUDATO: You're talking about
11 Table 7?
12 MR. KRISTAL: Yes.
13 MR. SCRUDATO: And you're talking in
14 the left-hand column, asbestos bodies, AB/gram,
15 and there's a footnote there.
16 THE WITNESS: Times ten to the third.
17 BY MR. KRISTAL:
18 Q. Which is a thousand?
19 A. Yes.
20 Q. So if I'm trying to read this
21 correctly, for example, shipbuilding, where it
22 has 1.08, that means a thousand eighty?
23 A. Yeah.
24 Q. Yes?
25 A. Yes.
0149
1 Q. And then you give the range of asbestos
2 bodies?
3 A. Uh-hum.
4 Q. Yes?
5 A. Yes.
6 Q. And it goes from .006 to 436, so there
7 were individuals in your population in the
8 shipbuilding industry who had mesothelioma who
9 had six asbestos bodies per gram?
10 A. Yes.
11 Q. Okay. So there's a range there?
12 A. Yes.
13 Q. All right. And maybe you know, maybe
14 you don't know, why did you select the median as
15 opposed to the mean?
16 A. I'm not sure why we decided to do
17 pro test statistically.
18 Q. Okay. And are all the other counts --
19 I mean, I can do the numbers, I just want to
20 understand -- all of them would be multiplied by
21 a thousand to actually find the number?
22 A. Correct.
23 Q. Okay. And same thing, in the
24 parentheticals are the ranges?
25 A. Correct.
0150
1 Q. So you just move it three decimal
2 points to the left and you got what you need?
3 A. Yes.
4 MR. KRISTAL: That's all I have, unless
5 there are other questions by other folks, and I
6 may have some follow-ups. But I thank you for
7 your time.
8 THE WITNESS: You're welcome.
9 MR. SCRUDATO: I have one question for
10 you.
11
12 EXAMINATION BY MR. SCRUDATO:
13

14 Q. Dr. Sporn, based upon your review of
15 the records and based upon your analysis of the
16 lung tissue in the Fleckner case, did you see in
17 either the Mass or the Fleckner case, Dr. Sporn,
18 any objective scientific evidence that Mass or
19 Fleckner, in fact, had an asbestos fiber burden
20 in their lungs that was above your laboratory's
21 normal range?

22 MR. KRISTAL: Object to the form of the
23 question.

24 THE WITNESS: No.

25 MR. SCRUDATO: That's all I've got.

0151

1 MS. MILLER: I have two questions.

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0152

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

EXAMINATION BY MS. MILLER:

Q. Dr. Sporn, Mr. Kristal asked you
earlier about the normal range that your lab
uses between zero and 20 asbestos bodies per
gram. As far as you know, is that same range
used by other labs throughout the country?

A. It's used by Sam Hammar. I'm not sure
about other labs.

Q. Do you know if it's used by Dr. Dobson?

A. I don't know.

Q. But it's used by Dr. Hammar?

A. Yes.

Q. Are you aware of other -- of studies
that actually have a normal range of higher than
that?

A. I've heard them mention anecdotally,
but there are some people who believe that only
a minority or -- and this certainly isn't the
dogma at Duke -- but there are some people in
the thoracic oncology community who believe that
a minority -- not a minority, but a smaller
fraction of asbestos -- of mesotheliomas are

asbestos-related. But then when you look at
their control value population, they have 500
asbestos bodies per gram of wet lung, which is
ridiculous.

Q. Mr. Kristal also asked you about the
difference between what you found between using
light microscopy and SEM. Using light
microscopy you found 13.3 and by using SEM you
found either zero or less than three asbestos
bodies; is that correct?

A. That's correct.

Q. And either way, you counted fibers that
are still well below the standard and the normal
range; is that correct?

A. Those are still within normal range in
our laboratory.

MS. MILLER: Thank you.

19 RE-EXAMINATION BY MR. KRISTAL:
20
21 Q. When Paul asked you the question
22 embedded in there was something -- the phrase
23 objective scientific evidence. Do you recall
24 that?
25 A. I don't.

0153
1 MR. KRISTAL: Can you read back that
2 question because I want to follow-up on that.
3 (Whereupon, the above-requested
4 question was then read by the reporter.)
5 BY MR. KRISTAL:
6 Q. Now that we re-read the question, there
7 was the phrase objective scientific evidence in
8 the question, right?
9 A. Yes, there was.
10 Q. And by that did you take that to mean
11 what the counts were?
12 A. What the counts were, what was being --
13 he also mentioned about in medical records, you
14 know about -- or did I misunderstand?
15 Q. Why don't we go back and read it again.
16 I just want to get a clear record as to what
17 you're talking about about objective scientific
18 evidence. Do you need the question read back?
19 A. One more time.
20 Q. It's not a problem.
21 (Whereupon, the above-requested
22 question was then read by the reporter.)
23 THE WITNESS: And I said no.
24 BY MR. KRISTAL:
25 Q. Okay. In the phrase -- in the question
0154
1 any objective scientific evidence that either
2 Mass or Fleckner had an asbestos fiber burden in
3 their lungs above normal for your lab, what are
4 you talking about? What did you understand
5 objective scientific evidence to mean?
6 A. I would mean that if the medical
7 records had, in fact, demonstrated that either
8 one of these gentlemen had pleural plaques, then
9 I would have said, well, no, in fact, I would
10 have predicted that they would have had tissues
11 asbestos levels in excess of our normal range of
12 values.
13 If -- and then with the counts
14 themselves, the counts themselves were objective
15 scientific evidence that were, in fact, within
16 our level of expected normal ranges.
17 Q. Anything else?
18 A. Not that -- I think that's it.
19 MR. KRISTAL: Okay. That's all I have.
20 I just wanted to understand what you were
21 talking about.
22 (Discussion off the record.)
23 MR. KRISTAL: Just for the record, I am

24 requesting what we had talked about earlier in
25 the deposition, which are the PowerPoints or

0155

1 materials that Dr. Sporn has yet to try to

2 locate and also a copy of the database that
3 Dr. Sporn went to to search for dentists.

4 THE WITNESS: In what form would you
5 like those?

6 MR. SCRUDATO: Well, wait a minute. We
7 understand the request. We'll discuss that and
8 work that out with you.

9 MR. KRISTAL: Okay. But just -- I
10 don't want to beat a dead horse. What are the
11 options of the form? If it's going to be
12 produced then we don't have to have a zillion
13 conversations?

14 MR. SCRUDATO: I don't want to do this
15 on the record.

16 (Discussion off the record.)

17 MR. KRISTAL: To the extent documents
18 are produced that were within the scope of the
19 dep, we obviously reserve our right to seek
20 further questioning.

21 MR. SCRUDATO: Okay.

22 MR. KRISTAL: And Paul reserves
23 whatever rights that every other defendant has
24 at the same time.

25

0156

1 (Whereupon, the deposition
2 concluded at 1:35 p.m.)

3 * * * * *

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0157

1 I hereby CERTIFY that I have read

2 the foregoing pages, and with the exception of
3 the changes on the errata sheet, that they are a
4 true and accurate transcript of the testimony
5 given by me in the above-entitled action on
6 November 15, 2005.
7
8
9

DR. THOMAS ARTHUR SPORN

10
11 Sworn to before me this
12 day of , 2005.
13
14
15

16 Notary Public
17
18
19
20
21
22
23
24
25

0158

1 STATE OF NEW YORK)

2 SS:

3 COUNTY OF ERIE)
4

5 I, MICHELE CANNATA-SMITH, a Notary
6 Public in and for the State of New York, County
7 of Erie, DO HEREBY CERTIFY, that the Examination
8 Before Trial of DR. THOMAS ARTHUR SPORN, was
9 taken down by me in a verbatim manner by means
10 of Machine Shorthand on November 15, 2005, that
11 the proceedings were taken to be used in the
12 above-entitled action.

13 I further CERTIFY that the
14 above-described transcript constitutes a true,
15 accurate and complete transcript of the
16 testimony.
17
18
19
20

MICHELE CANNATA-SMITH, RPR, CRR
Notary Public
21
22
23
24
25

0159

1 INDEX
2

3 DR. SPORN:

PAGE:
4

5		
6	EXAMINATION BY MR. KRISTAL	6
7	EXAMINATION BY MR. SCRUDATO	150
8	EXAMINATION BY MS. MILLER	151
9	RE-EXAMINATION BY MR. KRISTAL	152

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0160

1	EXHIBIT INDEX	
2		
3	SPORN EXHIBITS:	PAGE:
4		
5		
6	1, an article	12
7	2, an article	14
8	3, deposition notice	16
9	4, a folder containing documents	17
10	5, a Duke University folder - Mass	18
11	6, a Duke University folder - Fleckner	18
12	7, a 7/19/05 letter	31
13	8, a report	132
14	9, curriculum vitae	132

15		
16	REQUESTS	
17	DESCRIPTION:	PAGE:
18	Transcripts in other cases	9
19	PowerPoints	20
20	Review of Dr. Roggli's database	40
21	Section of book with references	52

22
23
24
25