

SUPERIOR COURT OF NEW JERSEY
LAW DIVISION: MIDDLESEX COUNTY
DOCKET NO. L-060148-87

JOHN PETERSON and SHIRLEY MAE PETERSON,
his wife,

Plaintiffs,

vs.

DEPOSITION UPON
ORAL EXAMINATION OF:
SAMUEL S. EPSTEIN, M.D.

UNION CARBIDE CORPORATION,

Defendant

TRANSCRIPT of the deposition notes of SAMUEL
S. EPSTEIN, M.D., witness, called for oral
examination in the above-entitled action, said
deposition being conducted pursuant to the Rules
Governing Civil Practice in the Superior Court of New
Jersey, by and before CINDY L. NAGLE, a Notary Public
and Certified Shorthand Reporter of the State of New
Jersey, License No. XI01434 at the offices of
Scadden, Arps, Slate, Meagher & Flom, Esquires, 919
Third Avenue, New York, New York 10022, on December
1, 1989, commencing at 10:25 a.m.

AGENT FOR REPORTING SERVICES:

ROBERT CIRILLO, INC.
Certified Shorthand Reporters
5N - Regent Street - Suite 503
Livingston, New Jersey 07039
(201) 740-1331

1 A P P E A R A N C E S:

2 LEVINSON, AXELROD, WHEATON & GRAYZEL, ESQUIRES
3 BY: ALFRED LEVINSON, ESQ.
4 Attorneys for Plaintiffs

5 PITNEY, HARDIN, KIPP & SZUCH, ESQUIRES
6 BY: ROBERT L. HOLLINGSHEAD, ESQ.
7 Attorneys for Defendant

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 S A M U E L S. E P S T E I N, Apartment 25M
2 860860 North Lake Shore Drive, Chicago, Illinois
3 60611, having been duly sworn by the Notary,
4 testifies as follows:

5
6 DIRECT EXAMINATION BY MR. HOLLINGSHEAD:

7
8 Q. Doctor, good morning. Let me introduce
9 myself for the record. I am Robert Hollingshead with
10 the law firm of Pitney, Hardin, Kipp & Szuch. We
11 represent the Defendant, Union Carbide Corporation in
12 this action that was instituted by Mr. and Mrs.
13 Peterson on whose behalf you have submitted an expert
14 report.

15 As you know, and as I'm sure you are
16 very familiar with this, this is a deposition of
17 which is a time for me to inquire into that expert
18 report and the various reports and factual
19 information that are behind it.

20 Again, since I know you are familiar
21 with depositions, I will give you a couple of
22 cautionary notes.

23 One is, please allow me to finish my
24 question before you start your answer and I will do
25 you the courtesy of allowing you to finish your

EPSTEIN - Direct/Hollingshead

1 answer before I ask the next question.

2 Secondly, please keep all of your
3 responses verbal on behalf of the reporter who cannot
4 interpret nods of the head or shakes of the head.

5 Thirdly, if you have any question
6 whatsoever about my question, either it's not clear
7 or perhaps has a misstatement of fact in it, please
8 tell me so and I will do the best I can to rephrase
9 it until you understand it.

10 Obviously, if Mr. Levinson has any
11 objections to my questioning, you should allow him to
12 put those objections on the record and then we will
13 proceed with his instruction to you as to whether you
14 may answer or not, or if the objection can be worked
15 out between us, I will rephrase the question.

16 A. Sure.

17 Q. All right, do you have any questions
18 before we begin?

19 A. No, not at all, thanks.

20 Q. Do you happen to have with you today a
21 current or reasonably current curriculum vitae or
22 resume?

23 A. I'm terribly sorry, I haven't, but I can ship
24 it to you on Monday.

25 Q. Would you please do so?

EPSTEIN - Direct/Hollingshead

1 A. Yes, let me make a note of it.

2 Q. Sure.

3 A. Okay.

4 Q. Without the benefit of that resume, let
5 me just go through a few questions with regard to
6 your background.

7 I should note for the record initially
8 and as I think, Dr. Epstein, you recall I took your
9 deposition approximately ten years ago in a different
10 matter. I believe at that time we went through a
11 fair amount of your background and so what I might
12 wish to do is simply try to bring it current to a
13 certain extent in the last ten years.

14 What is your current position, Dr.
15 Epstein?

16 A. Same as it was then, professor of occupational
17 and enviornmental medicine, School of Public Health
18 University of Illinois Medical Center, Chicago.

19 Q. Has there been any change in that
20 position that you hold at the University of Illinois
21 Medical Center in the past ten years?

22 A. No.

23 Q. What degrees have you been awarded
24 through your educational process and when were they?

25 A. None since 1980.

EPSTEIN - Direct/Hollingshead

1 Q. Just refresh my recollection, this in
2 regard as to what your degrees are that you hold?

3 A. I have a B.Sc., in the '46, MBBS, which is the
4 university degree in medicine, in England. D Path,
5 that's a degree in pathology, DTM&H degree in
6 tropical medicine and hygiene and M.D., which is the
7 most advanced degree in internal medicine, I think
8 experimental medicine and sundry honorary degrees.

9 Q. Was the M.D. conveyed upon you in
10 England?

11 A. Yes, all of these were in London University.

12 Q. When did you receive your M.D.?

13 A. 1958. The M.D. isn't the qualifying
14 examination, it has no privilege equivalent to this.
15 The equivalent to the American M.D. is the M.D. B.S.
16 which I got in 1950.

17 Q. You say that's the equivalent of the
18 United States version of the M.D.?

19 A. Correct.

20 Q. Have any degrees been conveyed upon you
21 as a result of any education in the United States?

22 A. No.

23 Q. Are you a licensed physician of any
24 state in the United States?

25 A. No.

EPSTEIN - Direct/Hollingshead

1 Q. In your position as professor of
2 occupational and environmental medicine at the
3 University of Illinois Medical Center, do you have a
4 practice wherein you diagnose, treat or see patients
5 at all?

6 A. No.

7 Q. Do you have any board certifications in
8 the United States?

9 A. No.

10 Q. When you provide me with your C.V., will
11 it have a list of publications that you have authored
12 over the course of time?

13 A. Yes.

14 Q. And up until the reasonably recent time
15 frame?

16 A. Yes.

17 Q. Are any of those publications, to your
18 recollection, on the subject of any of the chemicals
19 that are in this case, and let me name them if I may
20 as I understand them and as I read your report,
21 polyvinyl chloride, vinyl chloride monomer,
22 polystyrene, isopropilidene resin and various
23 chemicals that are emanated by welding fumes which we
24 will get to in more detail later?

25 A. Yes, I think I discussed several of these in a

EPSTEIN - Direct/Hollingshead

1 book called the Particulars of Cancer published in
2 1978/79, the asbestos, the vinyl chloride, polyvinyl
3 chloride.

4 It's very possible I have some 300
5 publications, likely that several of these chemicals
6 have been discussed, but to be quite frank, offhand I
7 can't recall.

8 Q. In my list of the chemicals a moment ago
9 as you pointed out in your answer, I did forget to
10 list asbestos, I did not mean to do so.

11 A. Oh, that's okay.

12 Q. Dr. Epstein, in the past ten years, have
13 you appeared in any piece of litigation which PVC or
14 polyvinyl chloride has been an issue?

15 A. Yes.

16 Q. Would you tell me, if possible,
17 approximately how many?

18 A. Sure, I have these listed here.

19 Q. Did you prepare that list in response to
20 the Notice of Deposition that I supplied to you?

21 A. Yes, I tried to anticipate all of the
22 questions.

23 Q. Very good.

24 A. Prior VC/PVC Litigation, I will start in the
25 beginning. You did say the last ten years?

EPSTEIN - Direct/Hollingshead

1 Q. I did. Let's go back all the way,
2 perhaps we can start with the first time?

3 A. The first case was Elias versus Parke Davis
4 and Sprayon in 1979. I was deposed in that case but
5 to the best of my recollection there was no trial.

6 Q. What was the issue, very briefly?

7 A. It was an infant that had surgery, and I think
8 it was for a congenital Pyloricstenosis. And on a
9 few occasions, two or three occasions, the incision
10 scar was sprayed with a material called Sprayon,
11 which when it hardens it forms a kind of an elastic
12 bandage so you don't have to use sutures, to minimize
13 the need for sutures and VC was the propellant, vinyl
14 chloride, and the infant subsequently developed a
15 malignant tumor known as hepato blastoma and I was
16 the expert in that case and it was settled after that
17 deposition.

18 The next was Maliko et al versus Union
19 Carbide in 1979, which of course you're both
20 familiar.

21 The third was Grasso versus B.F.
22 Goodrich in 1981, in which Dr. Davidson referred to
23 in his deposition. This is a man who lived about one
24 and three quarter miles from a B.F. Goodrich Plant,
25 didn't work in the plant, but he died from

EPSTEIN - Direct/Hollingshead

1 angiosarcoma of the liver. I testified there, I was
2 deposed there and also testified at trial.

3 The fourth case -- am I going too fast
4 for you?

5 Q. No.

6 A. Fourth case, Mikyska versus Ford and Union
7 Carbide in '81, in which I was deposed. This was
8 workers at a Ford plant involved in PVC fabrication
9 and exposed to VC as a result of that and developed a
10 toxic hepatitis, and again I was deposed there and it
11 was settled, to the best of my recollection, without
12 trial. Those are the only four cases that I have any
13 recollection or any record of.

14 Q. In the Grasso case, what was the
15 exposure that Mr. Grasso sustained in his residence
16 one and three quarter miles from the plant?

17 A. Well, vinyl chloride.

18 Q. Not fumes?

19 A. Yes, to the emissions from the plant and these
20 were based on emission data of former plant material,
21 balance studies, modeling and air dispersion data, we
22 had no actual monitoring data.

23 Q. That was not a resin, it was a VC/PVC
24 emission case?

25 A. It was VC/PVC plant but the exposure was to

EPSTEIN - Direct/Hollingshead

1 VC.

2 Q. Do you keep copies of the expert reports
3 that you render?

4 A. When I write a formal report I keep those,
5 otherwise all I do for an old case is to simply keep
6 a letter of the attorney and possibly one or two
7 scraps of paper.

8 Q. Do you have a recollection as to whether
9 you wrote a formal report in the Elias matter?

10 A. I don't think I did but I'm certainly willing
11 to check.

12 Q. Would you please do that?

13 A. Sure. What you don't need is obviously the
14 Maliko stuff, you have that already.

15 Q. No.

16 A. I will check to see if I have formal reports
17 on any of those other cases.

18 Q. And also --

19 A. On three cases.

20 Q. Elias, Grasso and Mikyska.

21 A. VC prior litigation cases.

22 Q. Do you also retain deposition
23 transcripts if they are provided to you?

24 A. Sometimes I do, sometimes I don't. It depends
25 how interested I am in them. If they are of

EPSTEIN - Direct/Hollingshead

1 particular interest to me I would retain them, absent
2 to that I wouldn't.

3 Q. May I ask you to check for those too,
4 and if you have them, advise Mr. Levinson?

5 A. If I do have that stuff, do you want my office
6 to copy them and send them to you or do you want me
7 to send you the originals?

8 Q. You can have your office copy them and
9 bill me for the copying.

10 A. Fine.

11 Q. What files do you retain on other
12 litigations in which you've appeared?

13 A. I generally keep virtually nothing, with the
14 exception I have a letter from the attorney and if
15 there's anything of a particular scientific interest
16 I keep, otherwise once a case is over I discard the
17 material.

18 I would keep any written report clearly
19 and that's about it. Sometimes I would keep a
20 summary, if, for instance, I had done a survey or a
21 chart on that I would keep that because clearly there
22 was work involved and I wouldn't want to throw that
23 away.

24 Q. I would like to see any documentation
25 that you have on any of these matters which might

EPSTEIN - Direct/Hollingshead

1 include correspondence with the attorneys or
2 identification of the attorneys for the defendants.

3 A. Sure. Also other paperwork, with pleasure,
4 except for the Maliko.

5 Q. Excluding Maliko --

6 A. Okay, et al.

7 Q. -- Which I appeared ten years ago and I
8 have those papers.

9 A. Yes, all right.

10 Q. Have you appeared in any cases prior to
11 this litigation in which you have rendered an opinion
12 on the chemical Polystyrene?

13 A. No.

14 Q. If memory serves, was Polystyrene
15 involved in the Maliko case?

16 A. Yes.

17 Q. Would that be the only one then?

18 A. That's correct.

19 I'm sorry, my previous answer was
20 incorrect. I had, in fact, it was in the Maliko
21 case, I beg your pardon.

22 Q. I seem to recall that there were other
23 chemicals in that case, too, but that was one of
24 them?

25 A. Yes.

EPSTEIN - Direct/Hollingshead

1 Q. But the main focus was PVC, if I recall?

2 A. Correct.

3 Q. Have you given expert reports in any
4 other case on the chemical asbestos?

5 A. I've been involved in very little asbestos
6 litigation, one or two, and I can't recall whether
7 I've done any reports.

8 Again, I can check on that if you like.

9 Q. Would you please?

10 A. Three; check reports on asbestos litigation.
11 Okay.

12 Q. And to round out the listing here, have
13 you written any other expert reports on
14 Isopropilidene Bisphenol Resins?

15 A. I've been involved in one case on Bisphenol A,
16 and I will do the same also.

17 Q. All right.

18 Now, do you equate Bisphenol A with
19 isopropilidene resins?

20 A. Yes.

21 Q. Let me remind you that Bisphenol A
22 wasn't in the Maliko case.

23 A. Yes, when you ask me for any paperwork I'm
24 excluding Maliko.

25 Q. But I do want your testimony to be

EPSTEIN - Direct/Hollingshead

1 accurate and although you and I are assuming Maliko
2 is not involved, the Bisphenol A report you rendered
3 in Maliko was one of those?

4 A. Correct.

5 Q. But you don't need to send that to me.

6 We also have at issue in this case as I
7 read your report basically emissions from welding
8 fumes. Have you had occasion to write an expert
9 report on that subject prior to Peterson?

10 A. No.

11 Q. Tell me very briefly what your current
12 responsibilities are as professor of occupational
13 medicine at the University of Illinois Medical
14 Center?

15 A. Research, teaching and public service.

16 Q. Do you have a teaching course load at
17 this present time?

18 A. Yes.

19 Q. Can you tell me what it is now?

20 A. I basically do one intensive course a year,
21 generally the winter course in which I go in great
22 depth into a series of current issues that have a
23 scientific, strong scientific involvement in
24 environmental or occupational matters and discuss
25 these from a set of perspectives, including the

EPSTEIN - Direct/Hollingshead

1 scientific, the decision making, the regulatory and
2 regulative and this is basically more advanced
3 students, postgraduate students of Ph.D. or M.D. who
4 want to get further training in public health, so
5 it's a fairly advanced course which I teach, I gave
6 major assignments, I work with the students on this.

7 Q. Is that a course that you are teaching
8 now?

9 A. Yes, I will be teaching in January.

10 Q. So for this fall you have not had a
11 teaching load at all?

12 A. No.

13 Q. What is the research that you do
14 presently at the University of Illinois?

15 A. I research a fairly wide range of issues; from
16 problems with pesticides to on one hand problems of
17 air pollution, problems of internal combustion,
18 engine, gasoline, problems of hormones, the use of
19 biotechnology and dairy farming, it's a wide range.

20 Q. When you use the word research in your
21 answer, Dr. Epstein, what do you mean by that?

22 Are you talking about book research, if
23 I may call it that?

24 A. At this stage it's largely book research and
25 scientific publications based on book research, yes.

EPSTEIN - Direct/Hollingshead

1 Q. Do you have graduate students assisting
2 you in any of your research?

3 A. No.

4 Well, only insofar as if indeed in the
5 course of my teaching a particular topic or issue
6 pops up which one of the students wants to develop in
7 great detail with me, I would then publish an
8 example. For instance, in last year's course I had a
9 gastroenterologist from University of Chicago who was
10 one of the students, and based on the course we did a
11 joint publication in environmental occupational
12 causes of colorectal cancer. So only incidentally
13 that way, but I don't work with students on my
14 publications, it's only as an outcome of any course I
15 happen to do.

16 Q. Do you mean to exclude from that any
17 research you might do with regard to litigation in
18 which you are appearing?

19 A. Oh, no.

20 Q. Let me go back then in terms of research
21 that you do at the University of Illinois. Would it
22 also include what I might refer to as ad hoc research
23 in order to render an opinion in a particular case?

24 A. That wouldn't be part of my university --

25 Q. That would be part of your practice as a

EPSTEIN - Direct/Hollingshead

1 consultant?

2 A. Precisely.

3 Q. Thank you for the distinction.

4 Let's stay with your work at the
5 University of Illinois then. How much time on a
6 weekly basis do you spend in your capacity as a
7 professor with the University of Illinois?

8 A. I have a 90 percent appointment, so I'm part
9 time with the University.

10 Q. I'm not too sure I know what that means?

11 A. Ninety percent; in other words, I'm not full
12 time so I'm paid on a 90 percent basis not a 100
13 percent basis, which means that I, over and above
14 whatever time, generally most people take about a day
15 a week on private work, I have a little extra time
16 because I'm only 90 percent as opposed to 100 percent
17 so that's about an extra half day.

18 Q. Take the last six months or so, if you
19 could, and take average, could you tell me how much
20 time during the course of a week you might spend on
21 university responsibilities and how much time on your
22 consulting practice?

23 A. Well, my university work would be generally
24 about four days a week.

25 Q. And generally would the fifth day of the

EPSTEIN - Direct/Hollingshead

1 week, if we assume that we're talking about a five
2 day work week, would that be devoted to your
3 consulting work?

4 A. Yes.

5 Q. And is your consultant work primarily in
6 the area of litigation?

7 A. I would say it's almost exclusively an area,
8 yes.

9 Q. During the course of the past five years
10 or so, have you consulted at all with any
11 manufacturing or chemical companies on their behalf?

12 A. I don't think any have invited me.

13 Q. Okay.

14 A. I'm always open.

15 Q. Has your work been pretty much
16 exclusively on behalf of injured individuals in
17 various capacities?

18 A. Correct.

19 Q. Would you say that over the course of
20 the past five years your work load in terms of it
21 being split between the university and consulting
22 practice has been the same as you've indicated for
23 me, that is four days a week for the university and
24 one day a week for consulting practice?

25 A. Yes.

EPSTEIN - Direct/Hollingshead

1 Q. In the course of your consulting work,
2 have you ever appeared in a case or rendered a report
3 in any litigated case where the injured person had a
4 laryngeal cancer prior to Mr. Peterson's case?

5 A. Yes.

6 Q. Can you recall for me some of the
7 details of that?

8 A. I think I can. I think it was a worker in a
9 NASA plant.

10 Q. That's the National Aeronautics and
11 Space Administration Plant?

12 A. Yes. Who was exposed to a fairly wide range
13 of agents and developed laryngeal cancer. I forget
14 the name of it, but I can try and find it for you and
15 send you whatever paperwork I have.

16 Q. Thank you, I would appreciate that.

17 Is your recollection that that is the
18 only prior situation wherein which you've appeared
19 where there was a laryngeal cancer?

20 A. Well, minor correction, with due respect I
21 didn't appear. In fact, I'm not even sure what -- I
22 think there was a depo, yes. Well, okay, so I think
23 there was a depo but no trial and excepting that and
24 of course the Maliko, Wilkinson.

25 Q. One of the individuals?

EPSTEIN - Direct/Hollingshead

1 A. Wilkinson.

2 Q. Was it Wilkinson?

3 A. Precisely.

4 Q. But other than those two instances you
5 don't have a recollection of appearing in any other
6 cases in which there was a laryngeal cancer?

7 A. No.

8 Q. Correct me if I'm wrong, Dr. Epstein,
9 but you would not be qualified to sit to take any
10 board certification examination in the United States?

11 A. No, I haven't. I don't have a medical
12 qualification in this country.

13 Q. Which would be necessary to do that?

14 A. Yes.

15 Q. How did you become involved in this
16 case, the Peterson case? When was your initial
17 contact with Mr. Levinson?

18 A. Mr. Levinson wrote to me in April of '88 and
19 mentioned the case and then both of us seemed to have
20 let the matter drop for another six months or so, and
21 about January of this year Mr. Levinson called me
22 again and got me started on the case.

23 So really, I didn't formally get
24 involved until the beginning of 1989.

25 Q. When Mr. Levinson called you in April of

EPSTEIN - Direct/Hollingshead

1 19 --

2 A. Excuse me, he wrote to me.

3 Q. I'm sorry, you did say that. When he
4 wrote to you in April of 1988, did he provide you
5 with any information or materials with regard to the
6 case?

7 A. No, just a letter in which he talked very
8 briefly about the case, then I believe subsequent to
9 that we had a brief discussion and I agreed in
10 principle to get involved, but we didn't actually get
11 started until about January of this year.

12 Q. In January of 1989, I think you said
13 that he called you again and got you started on it?

14 A. Correct, yes.

15 Q. Was there further correspondence at that
16 time advising you as to details of the case?

17 A. Yes.

18 Q. And did you receive materials from Mr.
19 Levinson at that time?

20 A. Well, I don't know exactly at that time, but
21 on an ongoing basis subsequent to that Mr. Levinson
22 sent me records on the case.

23 Q. Have you done any work for Mr. Levinson
24 or his law firm in your consulting practice in
25 approximately the last ten years or since the Maliko

EPSTEIN - Direct/Hollingshead

1 case?

2 A. Unfortunately we seem to have drifted apart.

3 Q. So this is the first litigation you've
4 had with Mr. Levinson since the Maliko case?

5 A. Yes, correct.

6 Q. And that is also true of anybody else in
7 this firm, you have not had any professional contact
8 with them in the past ten years?

9 A. Correct.

10 Q. I supplied Mr. Levinson with, I believe
11 he sent to you a Notice To Take Deposition And
12 Produce Documents, and I believe you indicated
13 earlier that you have some materials here with you?

14 A. Correct.

15 Q. Let me mark this as an exhibit, if I
16 may, and we will see if we can figure out what you
17 bought in response to it.

18 (Notice To Take Deposition and Produce
19 Documents is received and marked Epstein 1 for
20 identification.)

21 Q. Dr. Epstein, I have marked as Exhibit
22 Epstein 1 the Notice To Take Deposition and Produce
23 Documents. Let me so show it to you.

24 A. I have it here, thank you.

25 Q. Can we just run through this and see

EPSTEIN - Direct/Hollingshead

1 what you might have in response to the six separate
2 categories that I have asked for?

3 A. Either that or I can tell you what I have,
4 whichever you prefer.

5 Q. I just need to know what might line up.

6 The first group of requests, which I
7 will paraphrase, was asking for records of
8 communications between yourself and Mr. Levinson or
9 his office, including all documents that are in
10 writing, obviously documents in writing that would
11 include correspondence, memoranda, notes, et cetera.

12 Do you have anything that would be in
13 particular response to that request?

14 A. Yes, I have his original letter to me of April
15 '88, all subsequent correspondence or documents that
16 he sent me I've incorporated in various sections of
17 this notebook which we can go through at a later
18 stage.

19 Q. Let me ask a broad question, Dr.
20 Epstein. Is there anything in that notebook that
21 either you or Mr. Levinson might consider to be
22 confidential or is it all available?

23 MR. LEVINSON: I don't think so. I
24 haven't looked at it yet, I might add, but I looked
25 at my correspondence in the office, there's nothing

EPSTEIN - Direct/Hollingshead

1 there particularly.

2 Q. Is the original letter also included in
3 the notebook?

4 A. No.

5 Q. All right, why don't we put that there
6 and I will come back to marking it.

7 So anything in response to item or
8 request number one would be contained between the
9 letter and note?

10 A. And the note, yes.

11 Q. Would that also be true for all
12 materials supplied to you by Mr. Levinson's office?

13 A. Correct.

14 Q. And would the same be true for all
15 documents reflecting communications?

16 A. Correct.

17 Q. This might require some interpretation.
18 When this notice was written this was intended to
19 indicate persons other than Mr. Levinson or his law
20 firm.

21 A. Correct.

22 Q. Have you had any such conversations with
23 anyone else regarding the subject matter of this
24 litigation other than Mr. Levinson?

25 A. Yes.

EPSTEIN - Direct/Hollingshead

1 Q. Who was that?

2 A. Dr. Velez.

3 Q. And is there anyone other than Dr. Velez
4 that you have spoken with in this litigation?

5 A. No.

6 Q. Have you spoken at all with Dr.
7 Davidson?

8 A. No.

9 Q. The communication with Dr. Velez, was
10 that initiated by him or by you?

11 A. There were two phone calls from him in October
12 of this year.

13 Q. Do you recall the subject of each of the
14 phone calls?

15 A. Well, generally. The first one was on, I think
16 he asked me for my report or he had seen the report
17 and I had really forgotten -- let me see, yes, I
18 remember now, the second one was what he asked me for
19 the Tabershaw documents, he said he didn't have them
20 and I sent him a copy of the Tabershaw documents.

21 Q. The Tabershaw?

22 A. I beg your pardon, there's three. There's two
23 unpublished, one Tabershaw and Gaffey document, one
24 Tabershaw document and unpublished Tabershaw/Cooper,
25 and I will give you the references for those.

EPSTEIN - Direct/Hollingshead

1 Q. Did Dr. Velez indicate in that
2 conversation why he was asking for the Tabershaw
3 documents?

4 A. He said he had seen in my report reference to
5 them but he didn't have them and asked if I could
6 send them to him.

7 Q. Did he tell you that approximately at
8 that period of time his deposition was being taken in
9 this case?

10 A. No.

11 Q. Are you --

12 A. Excuse me. No, that's not true, excuse me. He
13 did tell me on the second conversation that he was
14 going to be deposed and he would like to review the
15 Tabershaw documents personally before that
16 deposition.

17 Q. Do you have any indication in writing,
18 perhaps in a diary or in any other writing as to when
19 those two phone calls were made?

20 A. No. I can tell you the second one was prior
21 to his deposition because I remember him saying to me
22 he felt he should review both of those documents, all
23 of those documents before his deposition.

24 Q. In the first conversation with him, is
25 it your recollection that in general he was only

EPSTEIN - Direct/Hollingshead

1 asking for a copy of your report?

2 A. Correct, yes.

3 Either that or he perceived it and had a
4 question about it, I'm afraid I just can't recall.

5 Q. Did you make any notes regarding that
6 conversation?

7 A. No, I didn't make notes on the conversations.

8 Q. Did you make any notes on the second
9 conversation?

10 A. No.

11 Q. Did you discuss with him at all in
12 substance any of the Tabershaw documents?

13 A. No, he just asked me if I had them. He said
14 he was intrigued by them, could I send him copies. I
15 said, sure.

16 Q. Did he ask you during that conversation,
17 to your recollection, if you were aware of any
18 particular studies that would relate to polyvinyl
19 chloride with laryngeal cancer?

20 A. No, that discussion was just focused
21 exclusively on the Tabershaw documents.

22 Q. Did he ask you any questions with regard
23 to your view or opinion as to whether asbestos can
24 cause laryngeal cancer?

25 A. No, there was no other subject of discussion

EPSTEIN - Direct/Hollingshead

1 other than what I've stated.

2 Q. In your report, if I recall correctly
3 there is a Tabershaw document referred to, I thought
4 it was Tabershaw Cooper to my recollection?

5 A. I think there's more than one frankly.

6 Q. All right.

7 A. Let me just check.

8 Q. With regard to all of the documents that
9 you refer to in your report, you include them in your
10 reference, do you not, at the end of each section? I
11 recall that there's a section of references.

12 A. Yes, you will find actually two references,
13 actually there's one missing here -- No, no.

14 Q. You're looking at page 6 of your report?

15 A. Page 6, there's one missing there. There's a
16 Tabershaw and Gaffey published study and then the
17 supplementary Tabershaw/Cooper study, there was a
18 prior unpublished Tabershaw report in 1974 which is
19 basically very, very similar to the Tabershaw and
20 Gaffey published paper.

21 Q. You've indicated here that the Tabershaw
22 and Cooper report is an unpublished report in 1975?

23 A. Yes, but in addition to that --

24 Q. There's another unpublished one?

25 A. Another unpublished one, which is very, very

EPSTEIN - Direct/Hollingshead

1 close to the Tabershaw and Gaffey published report in
2 '74 and I gave all those three to Dr. Velez and I'm
3 pretty sure from when I read his depo that he gave
4 you copies of them.

5 Q. Is the Tabershaw and Gaffey report the
6 one published report?

7 A. Yes. That's the 1974 one, yes.

8 Q. That's the only one that's been
9 published?

10 A. Correct, to the best of my knowledge.

11 Q. When Velez called you, is your
12 recollection that he asked you specifically for the
13 Tabershaw papers?

14 A. Yes, in the second discussion.

15 Q. We'll go back to the report later.

16 A. Sure.

17 Q. All right, we were talking about item
18 number three in the Notice To Take Deposition. Let's
19 move to item number four which would be with regards
20 to all materials which you have reviewed, consulted
21 or read and considered in reviewing this litigation
22 and the issue that was raised there and in rendering
23 their opinions. It's a very broad category but I
24 wonder if you have anything in the notebook that's
25 responsive to that?

EPSTEIN - Direct/Hollingshead

1 A. Yes, you will find as you go on a wide range
2 of items which were responsive to that.

3 Q. Is it your testimony that the notebook
4 would contain all of the items that you would have
5 considered in rendering your report, including all
6 studies, et cetera?

7 A. I'm not willing to state all, but I mean I
8 have extensive background in these areas, but that
9 certainly wouldn't preclude me from saying I haven't
10 information on other matters.

11 Q. Are all the published materials that you
12 cite in your expert report in this case contained
13 within the notebook?

14 A. What do you mean all of them, the references
15 do you mean?

16 Q. Sure.

17 A. Yes.

18 Q. Just pointing one out, Newhouse & Berry,
19 the Lancet Volume II, I suppose that is Appendix 1 of
20 your report. If I were to look in your notebook,
21 would I find that?

22 A. No, what you would find is references to
23 everything I've read, and in many instances tables or
24 inferences drawn on those references but not the
25 original articles.

RNW 2251

EPSTEIN - Direct/Hollingshead

1 Q. That's what I need to know. Thank you.

2 The report that I have been referring
3 to, which we probably should mark, it is entitled
4 Preliminary Report with a date of 9/13/89?

5 A. Correct.

6 Q. Is it not?

7 A. Yes.

8 MR. HOLLINGSHEAD: Let me ask the
9 reporter to mark this Epstein 2.

10 (Preliminary Report is received and
11 marked Epstein 2 for identification.)

12 Q. Dr. Epstein, do you have a copy of your
13 preliminary report?

14 A. I do.

15 Q. My question was that the Preliminary
16 Report has a date of 9/13/89?

17 A. Yes.

18 Q. Did you ever provide a subsequent report
19 after this preliminary report in writing?

20 A. This morning I gave Mr. Levinson some
21 additional material dealing with areas which I felt I
22 hadn't adequately considered and which I felt needed
23 further work and, in fact, when I wrote the original
24 report I was under some time constraints, Mr.
25 Levinson said he needed something pretty quickly and

EPSTEIN - Direct/Hollingshead

1 I will be going over those materials with you today.

2 MR. HOLLINGSHEAD: Mr. Levinson, is it
3 your intention to provide me with the subsequent
4 report that you received this morning?

5 A. Excuse me, sir, I said I have these materials
6 with me, I will be giving them to you today.

7 Q. My question is: Have you written a
8 subsequent report?

9 A. Not a formal report.

10 Q. That's my question.

11 A. Let's go back. I haven't written a subsequent
12 formal report, but what I have done is prepared some
13 additional documents which will update that, but I
14 haven't entitled them subsequent formal report.

15 Q. Are they contained within your notebook
16 in a binder or some section of it?

17 A. Yes, all in this notebook.

18 Q. I'm a bit at a loss to determine where
19 to go, perhaps you should indicate to me what those
20 materials are.

21 A. Absolutely. There's basically three
22 documents. One entitled, just for the sake of
23 facilitating your further reference to these, there
24 in the first section entitled Summaries, okay? The
25 first is entitled, Factors Incriminating VC/PVC As

EPSTEIN - Direct/Hollingshead

1 The Primary Cause Of Peterson's Laryngeal Cancer.
2 It's a one page sheet.

3 This is a bit out of sequence but it
4 doesn't matter, the second is, State Of The Art On
5 VC/PVC Toxicology Prior To 1974.

6 The third is Illustrative Literature On
7 The Toxic And Carcinogenic Effects Of VC/PVC In The
8 Respiratory Tract Of Experimental Animals.

9 The final one is Illustrative Literature
10 On The Toxic And Carcinogenic Effects Of VC/PVC In
11 The Respiratory Tract Of Exposed Workers.

12 Q. What caused you to prepare those, as I
13 heard you describe it, those four separate documents?

14 A. Basically, as I said, Mr. Levinson gave me
15 very short notice for the first document. I think he
16 called my office, I really don't recall exactly,
17 about a week or so before, he said I've got to have
18 something from you right away. I said I will do my
19 best and for this reason I wrote preliminary,
20 intending to go into further areas which I consider
21 to be of critical relevance to this case That's
22 basically what I did.

23 Q. Did you advise Mr. Levinson that you
24 intended to go into other areas at a later point in
25 time?

EPSTEIN - Direct/Hollingshead

1 A. Absolutely. He said, why is your report
2 preliminary? I said, look, you asked me at the last
3 minute, I've done my best but I intend to mesh this
4 thing out prior to my depo.

5 Q. Did you have a discussion with Mr.
6 Levinson when you returned from Europe sometime in
7 September, as I recall, do you recall that?

8 A. I would imagine so, I don't recall.

9 Q. Did you have a further discussion with
10 Mr. Levinson on the subject of a subsequent report or
11 series of documents as you've described?

12 A. At one stage shortly after I returned, I said,
13 look, I intend to work up some further aspects of
14 this case, and he said fine. He said, whatever you
15 do, either send it to me or bring it to the depo.

16 Q. Turn back to the first page if you
17 would, please.

18 A. Sure.

19 Q. I believe that first page is entitled
20 something with regard to Factors Incriminating VC/PVC
21 As The Primary Cause Of Peterson's Laryngeal Cancer?

22 A. Yes.

23 Q. What caused you to write that page after
24 your preliminary report which talks about a number of
25 chemicals?

RNW 2255

EPSTEIN - Direct/Hollingshead

1 A. Well, basically, as I indicated before, the
2 initial report was preliminary. Another factor was
3 my reading of Davidson's depo which refreshed my
4 memory as to the specifics of the problems with the
5 PVC dust exposure, and after I read that I went back
6 to the original files and got into all details of the
7 extent and specifics of the exposure and it became
8 very clear to me that this exposure to PVC dust was
9 very prolonged and sustained and heavy and at that
10 stage I felt that I should dot the i's and cross the
11 t's, and while I'm not willing to exclude
12 contributory role of the wide range of his other
13 exposures, that I wanted to dot the i's and cross the
14 t's in relation to the specifics of VC/PVC exposure
15 and laryngeal cancer.

16 Q. When did you receive Dr. Davidson's
17 deposition?

18 A. I think I got it shortly after the depo, but
19 exactly when I don't know. But I didn't really read
20 it until after I got back from Europe, and at that
21 stage I decided to go back to the original file and
22 look up all the details on his exposure and these
23 were factors which influenced me to prepare this
24 additional material.

25 Q. When did you actually write the page

EPSTEIN - Direct/Hollingshead

1 that we're talking about, the one entitled Factors
2 Incriminating VC/PVC, et cetera?

3 A. Shortly after I got back I started going
4 through these materials and making notes on all of
5 this.

6 When I say all of this, I mean not just
7 this page but these other four exhibits, and I made
8 notes on an ongoing basis and I think that one of the
9 -- the one on the epidemiology or clinical studies
10 was finished about a week or so ago or two weeks ago,
11 so these have all been completed in the course of
12 over the last couple of weeks.

13 Q. At the time you prepared your
14 preliminary report, what was your understanding as to
15 Mr. Peterson's exposure to asbestos?

16 A. I knew that he had asbestos exposure, as
17 indeed I indicated in the report.

18 Q. Where had you learned that?

19 From which document did you learn that?

20 A. I learned that from two pieces of information.

21 One, from his X-ray which made it very
22 clear. He had an X-ray which made it clear he had
23 calcification of his diaphragmatic pleural and other
24 changes in the lungs which are pretty characteristic
25 of asbestos exposure.

EPSTEIN - Direct/Hollingshead

1 Q. And which could only come from asbestos
2 exposure?

3 A. In my view, yes. I'm not prepared to exclude
4 a contributory role in some of these from PVC dust,
5 but I would refer to Dr. Velez in this area as his
6 experience as the respiratory clinician, which I am
7 not.

8 And the second is a little time ago, I'm
9 afraid I can't say exactly when, I received a note
10 from Mr. Levinson's office documenting the fact that
11 he had asbestos exposure.

12 Q. Do you have that note somewhere in the
13 notebook?

14 A. No, the information in the report incorporates
15 the information that Mr. Levinson sent me on the
16 asbestos exposure.

17 Q. When you say you received a note from
18 Mr. Levinson or his office, was that in the form of a
19 letter?

20 A. No, it was one or two pages of additional
21 information on his occupational exposure. At one
22 stage I had Mr. Levinson -- Mr. Levinson sent me a
23 document or notes on his personal history and his
24 occupational history and then at a later stage, which

EPSTEIN - Direct/Hollingshead

1 starting at the very beginning you talk about having
2 done a review of available documentation and records
3 of Mr. Peterson.

4 May I assume, based on what was just
5 gone through, that any of that documentation or
6 record of Mr. Peterson is referred to in some fashion
7 in the notebook?

8 A. Correct.

9 Q. Or at least the notebook contains your
10 summary of information that you obtained from medical
11 records supplied to you from Mr. Levinson?

12 A. Correct.

13 Q. You also indicate you reviewed the
14 relevant scientific literature.

15 With regard to any scientific literature
16 that you found to be relevant on the issue impacting
17 on Mr. Peterson's case, would I be correct in
18 assuming that you have referred to it in some fashion
19 in your preliminary report?

20 A. Yes.

21 Q. So that if there is additional
22 scientific literature that you have reviewed, but
23 have not included, that would indicate that in your
24 view it's not relevant to the Peterson matter?

25 A. I wouldn't say that. I'm not saying there may

EPSTEIN - Direct/Hollingshead

1 not be other relative material which I haven't looked
2 at.

3 Q. Not a question of what you have not
4 looked at or not found, but if you had reviewed
5 anything that you considered to not be relevant, you
6 would not have included it, I presume, in the report;
7 is that correct?

8 A. If it wasn't relevant?

9 Q. Yes, if it was not relevant.

10 A. You're quite correct.

11 Q. And for anything that you reviewed and
12 was considered to you to be relevant, it would be
13 referred to in your report?

14 A. Yes. In other words, there may well be, in
15 fact, other references which are of importance which
16 I just haven't discussed, because you'll notice that
17 in the tables I talk about illustrative references,
18 so it's not meant to be a totally exhaustive complete
19 analysis of the total literature.

20 Q. When you get involved in a piece of
21 litigation and have to determine what the relevant
22 literature might be, how do you go about that? Do
23 you use some computer service?

24 A. No.

25 Q. Do you do your own personal research?

EPSTEIN - Direct/Hollingshead

1 A. I have my own files which I keep updated.

2 Q. Do you use Toxline or Medline or any of
3 those computer services?

4 A. Once in awhile I will, but I go through
5 journals on a regular basis and generally keep my
6 files up-to-date.

7 If, indeed, my files on any particular
8 subject are not complete, I might use Toxline or
9 Medline but in this case I didn't.

10 Q. How do you keep your own files? Do you
11 keep it by subject matter of chemical or substance or
12 injury or just how?

13 A. By chemical and, in fact, I bought you a
14 collection of my cards on VC/PVC.

15 Q. Is this a good example of how you keep
16 your own files?

17 A. Yes, that's typical.

18 Q. Now, these are index cards, three by
19 five index cards or thereabouts --

20 A. Yes.

21 Q. -- That you have collected over the
22 course of time?

23 A. Yes.

24 Q. Would these three by five index cards
25 have an indication of when you added the cards to

EPSTEIN - Direct/Hollingshead

1 your collection?

2 A. No.

3 Q. It would simply have the name of the
4 author of the study?

5 A. Precisely.

6 Q. And date of publication.

7 In summary fashion in this first
8 paragraph on page one, Dr. Epstein, you've indicated
9 that you have concluded that Mr. Peterson's
10 occupational exposures at ATC was causally related to
11 the laryngeal keratosis --

12 A. Correct.

13 Q. -- He developed in 1978 and the laryngeal
14 cancer he subsequently developed in 1984. And the
15 sentence goes on so, but that's the part that I'm
16 interested in at the moment.

17 A. Yes.

18 Q. For my working definition, what is
19 keratosis?

20 A. A keratosis is a premalignant condition
21 involving hyperplasia, epithelial hyperplasia, and
22 generally characterized by atypical cells in the
23 mucosa.

24 Q. What is a hyperplasia?

25 A. It means an increase -- let me try to find the

EPSTEIN - Direct/Hollingshead

1 easiest way of explaining it.

2 It's a multiplicative reaction increase
3 in a number of cells, cell lining? Hyperplasia will
4 be one of the factors in keratosis.

5 Q. Is there something in the medical
6 records that indicates that he was diagnosed with
7 such a condition in 1978?

8 A. Yes.

9 Q. Do you have a recollection as to where
10 you obtained that information?

11 A. Medical records.

12 Q. Was it the hospital records?

13 A. Surely, yes.

14 Q. Is the laryngeal keratosis a procurance
15 to laryngeal cancer?

16 A. Very often, yes.

17 Q. Based on what you have reviewed in this
18 case, is it your view that the laryngeal keratosis in
19 Mr. Peterson's situation was, in fact, a procurance
20 to a laryngeal cancer?

21 A. Yes, correct.

22 Q. When you talk about his, I quote here,
23 quote, "His occupational exposures at Amboy
24 Terminaling Company", which exposures in particular
25 are you referring to and did you mean to refer to at

EPSTEIN - Direct/Hollingshead

1 the time you wrote the preliminary report that's been
2 marked as the exhibit?

3 A. You'll find these listed under section number
4 one.

5 Q. Section number one is the section that
6 follows this paragraph?

7 A. It's the second paragraph, yes.

8 Q. It was your conclusion as expressed in
9 the first paragraph that all of these occupational
10 exposures combined in some fashion to be causally
11 related to the laryngeal keratosis and laryngeal
12 cancer?

13 A. I didn't make any attempt to rank them, I just
14 said that his occupational exposures were causally
15 related to his laryngeal cancer and then in the next
16 paragraph I list what they are and then I also give
17 evidence for the toxicity and carcinogenicity in the
18 subsequent information, including their affect on the
19 larynx and respiratory tract.

20 Q. Just to be specific, throughout your
21 report you're talking about occupational exposures to
22 polyvinyl chloride, vinyl chloride, monomer, asbestos
23 polystyrene, Isopropilidene resin, which you also
24 referred to earlier today as Bisphenol A, and also to
25 the emissions from welding fumes?

RNW 2288

EPSTEIN - Direct/Hollingshead

1 A. You left out one or two but in principle
2 that's okay.

3 Q. If I have --

4 A. Epichlorohydrin for instance.

5 Q. Epichlorohydrin, if I recall your
6 report, you indicate the Epichlorohydrin came from
7 the Isopropilidene resin?

8 A. Yes.

9 Q. So I meant to include that.

10 A. I'm sorry, I beg your pardon.

11 Q. Is there another that I left out?

12 A. Well, the welding fumes and the --

13 Q. I said that.

14 A. You did? I'm sorry, and the heat sealing
15 fumes.

16 Q. The heat sealing fumes would be as I
17 again read your report, the degradation of a PVC
18 resin?

19 A. Degradations of any resins.

20 Q. Correct. Polystyrene?

21 A. Correct, yes.

22 Q. Would that then cover the gamit of all
23 of the occupational exposures you were aware of at
24 the time you wrote the report?

25 A. Yes.

RNW 2289

EPSTEIN - Direct/Hollingshead

1 Q. Since you wrote this report, are you
2 aware of any other occupational exposures that Mr.
3 Peterson had at ATC?

4 A. I don't think so, no.

5 Q. Is it your opinion that those
6 occupational exposures, all of them that we have just
7 listed, to be combined in some fashion to cause Mr.
8 Peterson's laryngeal keratosis and his subsequent
9 laryngeal cancer?

10 A. They all had a -- it's not possible to exclude
11 a causal role or contributory role of any of these
12 exposures.

13 In other words, all of these had
14 carcinogenic affects on the respiratory tract and/or
15 irritant affects on the respiratory tract, the
16 importance of some of them more important than
17 others, but it's not possible to exclude a
18 contributory role of any of these individual
19 exposures.

20 Q. What do you define as the respiratory
21 tract, if you can tell me everything that would
22 constitute a respiratory tract?

23 A. It starts from the nose, goes all the way down
24 to the terminal alveoli.

25 Q. That's at the base of the lungs. I'm

EPSTEIN - Direct/Hollingshead

1 not a physician so I may misstate it, but it's in the
2 lung area, is it not?

3 A. Yes.

4 Q. What would it include between those two
5 end points?

6 A. Includes the nose, nasopharynx, pharynx,
7 larynx, trachea, bronchi, bronchials, alveoli and
8 pleura.

9 Q. Are all of the individual exposures that
10 you have listed, if you took each of them separately,
11 is each of them a carcinogen?

12 A. Well, I have given you the specific of each of
13 these.

14 Q. In your Appendix 2?

15 A. In the appendix. First of all, for each of
16 the major categories in Appendix 1, there is a
17 description of each of them and data on their
18 carcinogenicity and that's under Appendix 1 in which
19 it deals with each of these major exposures; and then
20 there's a brief summary table in Appendix 2.

21 Q. Well, referring to either one of them or
22 both of those appendices, if you wish, let me just
23 ask a simple question again, as to whether or not in
24 your opinion each of those occupational exposures
25 that Mr. Peterson had was to a carcinogen?

EPSTEIN - Direct/Hollingshead

1 A. Yes or to a group of carcinogens.

2 Q. Well, I'm breaking them down. If I took
3 each individual exposure, each of them would be a
4 carcinogen, I'm trying to stay away from a
5 carcinogistic affect at the moment.

6 A. I understand, but when you come to something
7 like the welding, there's groups of carcinogens there
8 and the other point that I wanted to make was in some
9 instances in addition to the carcinogenic affect of
10 some of these exposures, there's a chronic toxic or
11 chronic irritant affect which is discussed under each
12 exposure under Appendix 1. Is that responsive?

13 Q. Sufficiently so.

14 A. Okay.

15 Q. What information do you have, Dr.
16 Epstein, as to Mr. Peterson's specific exposure to
17 PVC resins and the result of VCM fumes from that?

18 A. This is listed in great detail in the section
19 marked OH, occupational history.

20 Q. Which I have not seen before today so I
21 wouldn't know that.

22 A. Fine. So let me go over this and also the
23 other. In addition, it's listed with even greater
24 specificity under the heading ATC, okay? So as far
25 as the PVC resins are concerned, there's three major

EPSTEIN - Direct/Hollingshead

1 -- well, first of all, let me start further and talk
2 about the PVC/VC resins at ATC.

3 The amounts of these resins that were
4 received were the resins that arrived at ATC, from
5 ETC in 50,000 pound van containers.

6 Q. Excuse me, what is ETC?

7 A. ATC, I beg your pardon. It arrived at ATC,
8 Amboy Terminaling Company from UC, from Union Carbide
9 in 50,000 pound van containers and also in smaller
10 van boxes and the total shipments were about one and
11 a half million pounds a day or four-hundred million
12 pounds a year.

13 Q. Let me stop you, Dr. Epstein, at the
14 risk of being slightly rude.

15 My question was, what specific exposures
16 did Mr. Peterson have, not to the amounts of material
17 shipped to ATC.

18 A. Okay, fine. I believe this is important
19 background. I am going to come to the specifics of
20 that because what I wanted to do is to give an
21 overall indication as to the amount of resins handled
22 at ATC by which Mr. Peterson's exposure would be a
23 subset of that, so I was leading to that. If you
24 just allow me to complete just 30 seconds more and I
25 will get onto the specifics, if I may.

EPSTEIN - Direct/Hollingshead

1 So in other words, the total shipments
2 there were about one and a half million pounds a day
3 or four-hundred million pounds a year at about 350 to
4 400 bags an hour were processed.

5 Now, as far as the PVC resins are
6 concerned, we have to first of all discuss what
7 resins he was exposed to.

8 There were three kinds of resins which
9 were handled at ATC; the suspension; the bulk; and
10 solution, and from '60 to '75, somewhere in the
11 region of 30 percent of all the resins were
12 suspension according to the records.

13 This is an interesting contrast to Dr.
14 Wheeler's statement that '85 percent of all U.S.
15 production was, in fact, suspension.

16 Be that as it is may, from before '75,
17 according to Dr. Wheeler, the levels of the VC in the
18 PVC were 860 ppm, although in his interrogatory he
19 gives different figures. He says goes up to 2000
20 ppm, and there's other indications that they go up to
21 3,700 ppm. And after '75, the levels of the VC and
22 the suspension resin were reduced and probably less
23 than about 100 ppm.

24 The bulk resins which had lower VC
25 levels and solution levels, solution resins.

EPSTEIN - Direct/Hollingshead

1 As far as the PVC dust levels are
2 concerned, all I can say is ATC and UC failed to
3 monitor the air area so there's no available data on
4 the levels of PVC.

5 Q. Let me hold you right there. Have you
6 seen the Gollob laboratory reports indicating the
7 levels of work space contamination?

8 A. Levels of what? Which are we talking about?

9 Q. VCM.

10 A. I wasn't discussing the VCM, I was discussing
11 PVC.

12 Q. Are you aware of the Gollob results?

13 A. Yes.

14 Q. Were the Gollob laboratory reports
15 provided to you?

16 A. Yes.

17 Q. Do you have them back in your office?

18 A. I think I do, yes. I think I kept the Gollob
19 report, I don't recall any data on PVC dust levels.

20 Q. Do you recall what the Gollob laboratory
21 reports did show?

22 A. Yes, VC, but I wasn't discussing VC, I was
23 discussing PVC. I will come to the VC if you allow
24 me to complete.

25 Q. Yes.

RNW 2295

EPSTEIN - Direct/Hollingshead

1 A. As far as the PVC dust levels, it's my
2 understanding there were no data on PVC dust levels
3 in the ATC Plant.

4 Q. You're talking about airborne?

5 A. Correct.

6 Q. Pardon me if extremely long narrative
7 requires me to do that.

8 A. Please do. Please interrupt.

9 Q. You are talking about airborne dust?

10 A. Yes. Airborne dust levels, yes.

11 We do, however, have some, according to
12 Wagoner, the levels of PVC dust are highest in the
13 bagging areas. We also have some data from NIOSH.

14 Q. Excuse me, let me back up.

15 What information do you have from Dr.
16 Wagoner?

17 A. That's a Wagoner publication which I refer to,
18 Wagoner, et al 1980.

19 Q. It deals specifically with ATC levels in
20 the bagging area?

21 A. I already said there is no data. I've already
22 said there's no data on PVC dust levels in the ATC
23 plant, so the Wagoner report, in general, the levels
24 are highest in bagging areas, not specifically ATC.
25 And so that's basically all our data on PVC dust

EPSTEIN - Direct/Hollingshead

1 levels which is non-existent as far as ATC is
2 concerned.

3 In coming to the atmospheric levels of
4 vinyl chloride, let us discuss them under the
5 following headings. First of all, the sources of
6 vinyl chloride, then the data for prior to '75, the
7 air level data prior to '75, and then the data in
8 '76.

9 Q. Dr. Epstein, I'm going to stop you there
10 because this has gone on at some length now. I don't
11 think it's responsive to my initial question, which
12 is the specific levels of exposure that Mr. Peterson
13 had to either PVC or VCM, the cause of PVC.

14 Let me see if I can shortcut this a
15 bit.

16 For the record, I will indicate that
17 you've been referring to the sheet marked ATC that
18 appears in the notebook, and therefore, I'm sure that
19 all of this information can be found there?

20 A. Sure.

21 Q. Do you have any information that would
22 allow you to conclude on a parts per million basis
23 how much exposure Mr. Peterson had, let's take on an
24 average workday at ATC to either PVC or VCM?

25 A. Well, first of all, we don't have any

EPSTEIN - Direct/Hollingshead

1 monitoring specifically for Peterson, so all the
2 information has to be inferential and this
3 information is derived from two sources.

4 First of all, description of the work
5 conditions, which I will go through with you first;
6 and then I will go through with you, if you so wish,
7 the information on the monitoring levels in the area
8 he worked in from which one can withdraw inferences
9 on his exposure.

10 Q. Well, let's work backwards, if we can.

11 Why don't you give me the bottom line in
12 your opinion as to what the exposure level was for
13 Mr. Peterson and then we can work back as to how you
14 determined that.

15 A. As far as the PVC dust levels are concerned,
16 and these were very high dust levels indeed, that's
17 based on the description of his work conditions and
18 and the statements, his description of work
19 conditions, but also what I know from the Maliko case
20 and what we also know from the Davidson report. And,
21 in fact, you will find this specified in detail in
22 the section in the notes marked Factors Incriminating
23 VC/PVC, which I state he was continually exposed to
24 very high levels of PVC dust with high concentrations
25 of residual VC from 1967 to '73. That was the first

EPSTEIN - Direct/Hollingshead

1 point.

2 Then he was continually exposed to --
3 shall we stay with PVC or?

4 Q. I don't mind going back and forth
5 provided we have an indication of when we talk about
6 which.

7 Hold that statement for a moment, if you
8 would?

9 A. Sure.

10 Q. You indicated from that, the dust levels
11 from PVC I think you said were high, very high. When
12 you use the phrase very high, if you would place it
13 in terms of parts per million, what number would you
14 put it at?

15 A. Well, you don't express dust levels in terms
16 of parts per million. I don't know, you generally
17 express them in milligram cubic meter.

18 Q. All right, let's use that.

19 A. I would say they're certainly in the high
20 milligram cubic meter over 20, perhaps in the 20
21 milligram per cubic meter range, in excess of that
22 the fact is that his hair, his clothing was covered
23 in white dust and he used to gag, so clearly they
24 were very high levels of PVC in the dust in the area
25 where he was working.

EPSTEIN - Direct/Hollingshead

1 It's difficult, if not impossible, to
2 give you a precise figure on this or even a general
3 figure on this because of the failure of Union
4 Carbide and ATC to do any monitoring of the dust even
5 in spite of the very substantial literature going
6 back to the forties on the toxicity of PVC dust, so I
7 can't give you an answer to that unless indeed
8 there's unpublished ATC/UC literature on this.

9 Q. Is it your understanding that the dust
10 that Mr. Peterson was exposed to, the PVC dust was in
11 the respirable range?

12 A. Some was respirable and some was not.

13 Q. Do you know what percent of each was
14 respirable and was not?

15 A. I would say in general and, in fact, I
16 discussed this in some detail in here, as far as the
17 levels of concern, there is a conflict of data on
18 this.

19 Dr. Wheeler maintains levels of the dust
20 was 50 to 150 micron; however, Dr. Davidson did some
21 analytic work and found that the levels go down to
22 five micron, which is the bulk were in the range of
23 50 to 60 micron, but they go down to five micron.
24 But I would also emphasize that the impression of
25 respirability is relevance to lung pathology but not

EPSTEIN - Direct/Hollingshead

1 relevance in relation to laryngeal pathology.

2 Q. Why is that?

3 A. Because large particles will reach the larynx,
4 it's only the large particles which will be filtered
5 out or stopped before reaching into the small bronchi
6 and bronchi elements, the question of respirability
7 of less relevance, if indeed any relevance in
8 relation to laryngeal cancer.

9 Q. Is that because in your view it's
10 important to note the amount of PVC dust that came to
11 actual physical contact with the larynx?

12 A. Well, obviously every breath he took he would
13 breathe in material that was in the air and this
14 would be progressively filtered out as it goes, as it
15 reached down into the lower respiratory tract and
16 upper large particles would impact and particles over
17 ten microns would certainly impact, but as you go
18 down into the depth of the lung you would have to
19 reach, you would only have to consider the respirable
20 size particles, that's a less than ten micron, if
21 you're considering pathology in the terminal lung.

22 Q. Doctor, you're not being responsive to
23 my question. Is it because what you're concerned
24 with determined how much of the PVC dust was in
25 contact with the physical larynx, is that what you're

EPSTEIN - Direct/Hollingshead

1 looking for?

2 A. I would say everything he would breathe in in
3 the air would reach the larynx, yes.

4 Q. And some of it would be passed on
5 depending on its size?

6 A. Sure, other smaller particles would certainly
7 go down to the lung, particles under ten microns and
8 certainly the five micron.

9 Q. You're not suggesting that the remainder
10 would remain in contact with the larynx?

11 A. I'm not suggesting; stating the large
12 particles would impact the larynx and
13 laryngeal-pharyngeal area.

14 Q. What would happen to those particles
15 that would contact the larynx?

16 A. The VC, vinyl chloride in the PVC dust would
17 be deluted and produce loal (phonetic) affect on the
18 larynx in the larynx-pharyngeal area.

19 Q. As you say you allotted those VCM fumes,
20 what would happen to the resin particle, it would
21 pass through the system?

22 A. The particle could remain there for sometime,
23 it could be coughed up and disposed of in that way.

24 Q. Would that be the only way that the body
25 has to dispose of that particle?

RNW 2302

EPSTEIN - Direct/Hollingshead

1 A. No, not at all. In addition to being coughed
2 up, I think some of them could be swallowed and pass
3 through the digestive system.

4 Q. Now, you've given me an approximate
5 number in terms of the milligrams per, what is it
6 cubic meter?

7 A. Cubic meter,, all I can say is the air was
8 very dusty, the conditions were described as terrible
9 and the language that he used is self-explanatory,
10 terrible and very dusty, hair on skin and clothing
11 was covered with white dust. I cannot tell you what
12 levels were, but I would say they were in the high
13 milligram cubic meter range.

14 Q. Which you earlier have stated to me
15 would be somewhere in the 20 milligram per cubic
16 meter area?

17 A. I would say minimally, because we do know in a
18 PVC fabricating plant they would reach 20 milligram
19 per cubic meter, I would not be surprised if they
20 were in the 100 cubic area, but I have no idea
21 because I'm unaware of any monitoring data.

22 Q. In fact, you also have no idea whether
23 or not they were 20 milligrams per cubic meter from
24 any data?

25 A. That's not correct. Indeed PVC fabricating

EPSTEIN - Direct/Hollingshead

1 plant you would have levels going up to 90 milligrams
2 per cubic meter in an area where PVC dust was bagged,
3 one could say minimally it would be in excess of
4 that.

5 Q. So it's your testimony now that Mr.
6 Peterson was exposed to PVC dust in the range of
7 approximately 20 milligrams per cubic meter or is it
8 your testimony that it was higher?

9 A. My testimony is that we cannot give any
10 estimate on this because of ATC and Union Carbide's
11 failure to monitor, in spite of long standing
12 knowledge of the state of the art and toxicology
13 going back to the forties. That's number one.

14 Number two, if PVC fabricating plant
15 levels could reach 20 milligrams per cubic meter in
16 this plant where it was bagging, where conditions
17 were quote "terrible and very dusty" where his skin
18 and clothing were bad, minimally it would be 20
19 milligrams. I don't know, it could be 100
20 milligrams, it could be more, we don't know.

21 Q. I will move to have the first portion of
22 the witness' answer stricken as unresponsive to the
23 question.

24 If we look at the exposure to vinyl
25 chloride monomer, VCM?

EPSTEIN - Direct/Hollingshead

1 A. Yes.

2 Q. Do you have any ability to determine
3 with some specificity as to what Mr. Peterson's
4 exposure was to VCM on an average workday at Amboy
5 Terminaling Company?

6 We may have to divide it into time
7 periods.

8 A. Sure.

9 Q. Let's take perhaps from 1967 to 1974; is
10 that a good time?

11 A. Or '75.

12 Q. All right, before '74/'75?

13 A. Okay, I would like to, without trying to be
14 totally responsive to your question, before I answer
15 that I have to specify what the particular source is
16 of VC because you have to consider a wide range of
17 different sources of exposure.

18 Q. I'm not sure I understand. Why don't
19 you tell me what it is? How do you want to answer
20 it? I will see if that's going to be a problem.

21 A. Fine.

22 We have to consider a wide range of
23 different ways and sources of vinyl chloride exposure
24 and attempt, as far as possible, to see what data
25 there are relating to each of these. There may be

EPSTEIN - Direct/Hollingshead

1 data, there are data relating to some of these
2 sources of exposure, but no data relating to other
3 sources of exposure; therefore, I'm not prepared to
4 give you an overall figure.

5 What I will do is I will tell you what
6 were the particular ways in which he was exposed to
7 VC and for some of these I will attempt -- I will
8 summarize the existing information on what these
9 levels are.

10 Q. Let's start with that approach.

11 A. Okay.

12 The other point I would also make is
13 that it is my view, and as I have stated in the
14 documentation, that the level, that the PVC dust
15 levels are of much greater importance in relation to
16 his laryngeal cancer than the vinyl chloride levels.

17 Q. Why is that?

18 A. The evidence for that you will find summarized
19 in the section marked Illustrative Literature On The
20 Toxic And Carcinogenic Effects of VC/PVC --

21 Q. That's a document I have not seen before
22 today.

23 A. -- Where you will find several studies dealing
24 with PVC dust in which it's emphasized that the PVC
25 dust is a particularly important simulation to

EPSTEIN - Direct/Hollingshead

1 respiratory tract cancers and let me just now point
2 you in the direction of two or three of them, then I
3 will get back to the original question.

4 First of all, you will find a whole
5 series of studies on PVC dust demonstrating
6 respiratory tract pathology including cancer. Then in
7 the paper by Wagoner & Infante, in their review they
8 conclude that PVC dust showed a significant
9 association with the respiratory cancers.

10 Waxweiler also says, "'PVC dust
11 appeared to be the most likely etiologic agent -- No
12 relationship (exists) between VC (air levels) and
13 lung cancer', probably due to its rapid absorption
14 into blood. And they emphasized the role slow
15 elution VC from trapped PVC particles in the lung."

16 Wagoner in '83 says the same thing,
17 "Emphasized carcinogenic role slow elution VC from
18 trapped dust particulates in lung."

19 So in other words, the literature makes
20 it very clear in that when you're dealing with lung
21 cancer in relation to PVC/VC, it's the PVC dust
22 levels in the PVC dust which is of much greater
23 importance than the VC, but let me now be more
24 responsive to your question as to the VC levels,
25 having already stated that it's the role of the PVC

EPSTEIN - Direct/Hollingshead

1 dust which is the far greater importance than the VC,
2 nevertheless --

3 Q. Pause one second.

4 A. Then we're going to discuss three things,
5 sources of the vinyl chloride, then vinyl chloride
6 levels prior to '75, and vinyl chloride levels after
7 '75.

8 Before getting into this I should refer
9 you also to the Union Carbide Monitoring Report of
10 1974 which talks about high VC air levels from PVC
11 resins, okay?

12 Q. Which monitoring report is that?

13 A. The one which I referred to?

14 Q. The paper that talked about monitoring?

15 A. The Union Carbide 1974 report on Monitoring.
16 The Concentration Of Vinyl Chloride.

17 Q. Fine.

18 A. Okay, now the sources of VC are as follows:
19 First of all, VC absorbed on the resin itself, the
20 surface of the resin; secondly, the VC, the high
21 concentrations in storage areas, bins, bags, hopper
22 cars which is stated in the UC Monitoring Report of
23 1974, and in the Gollob report of 1974, there's
24 references to 1400 ppm in the van.

25 Q. In the van?

EPSTEIN - Direct/Hollingshead

1 A. In the van, yes.

2 Q. Excuse me, do you have any indication
3 that Mr. Peterson was ever in the van?

4 A. We're not discussing that testimony, what I
5 said --

6 Q. Well, one of the nice things about being
7 a lawyer is I get to ask the questions.

8 A. Excuse me, sir, you asked me the sources, we
9 were discussing sources. I'm going to come on to --

10 Q. Yes, sir, and as I said earlier, it
11 sometimes becomes very difficult if you allow an
12 answer to go on at the length it is without asking a
13 question at the appropriate time, so since I referred
14 to the van, may I simply ask --

15 A. No, I have no information that he was ever in
16 the van.

17 Q. Thank you.

18 A. I'm giving you, what I'm now listing are the
19 sources of the VC without any reference to the
20 specifics of his exposure, but we will come back to
21 that after I've listed all the sources of the VC for
22 you.

23 Q. Go ahead.

24 A. The third is degassing of VC from pellets and
25 powder and also degassing of VC from PVC dust on his

EPSTEIN - Direct/Hollingshead

1 clothes, on his skin and in his body in either the
2 larynx or in the lung.

3 The next is displacement of air in the
4 50 pound bags as PVC was pushed into these bags air
5 would be displaced and air contaminated with VC is
6 displaced.

7 The next, fixed point emission sources.

8 Q. I don't understand that. Would you tell
9 me what that is?

10 A. In other words, at each of the area points
11 where the bags were filled, these are what you call a
12 fixed point emission source where the dust was, where
13 the PVC pellets were coming down the dust was coming
14 down from each of these areas, and finally, from
15 pyrolysis or thermal degradation from two sources,
16 from the heat sealer and from welding.

17 Okay, now, those are all the sources of
18 vinyl chloride to which exposure is possible,
19 although, as you correctly point out Peterson would
20 not have been exposed to some of these such as the
21 ones in the bag.

22 Now, let us review briefly the data,
23 what available data there are on VC levels prior to
24 '75.

25 The most important point to be made is

EPSTEIN - Direct/Hollingshead

1 there were no sampling data prior to 1974. In spite
2 of the overwhelming literature on the toxicology and
3 carcinogenesis of VC, both UC and ATC failed to
4 sample the air prior to 1974, so therefore we have no
5 information on air levels of VC prior to '74.

6 Q. Let me interrupt you there. What was
7 the date of the Gollob laboratory air sampling that
8 was done?

9 A. '74.

10 Q. In '74?

11 A. Correct.

12 Q. Are you aware of any differences in the
13 ATC handling or processing of the resins that would
14 account for a higher VC level prior to '74 or the
15 date of the Gollob sampling tests?

16 A. First of all, I haven't addressed myself to
17 that, but secondly, we don't have data on -- we do
18 know that some of the earlier resins had higher VC
19 levels than the later resins, so it may well be that
20 there were higher VC levels in PVC prior to '74, I
21 just don't know.

22 We do have other data showing that. For
23 instance, there's a Morgan and Tacquard reference
24 showing that VC levels extend up to 3,700 ppm, so I
25 can't answer you on that.

EPSTEIN - Direct/Hollingshead

1 Q. Well, just so the record is clear,
2 that's a study that has nothing to do with ATC, it's
3 a general study.

4 A. It has everything to do with ATC because in
5 indeed the industry was manufacturing PVC resins with
6 much higher VC levels, it's unlikely that Union
7 Carbide would be any exception to that.

8 Q. Are you aware of whether Union Carbide
9 was or was not an exception to that?

10 A. I don't know.

11 Q. Do you know the manufacturing process
12 that Union Carbide used that --

13 A. I can't answer that. All I know is that there
14 was no sampling data prior to '74, number one; number
15 two, that resins prior to '74 had much higher
16 levels.

17 I'm not talking about actually at the
18 time when the process design change was made, but I'm
19 talking about the earlier production that productions
20 of PVC could well have had higher VC levels, I don't
21 know the answer to that.

22 Q. In general, what is your understanding
23 as to what changed in 1974 to account for lower
24 levels of VC?

25 A. Well, belatedly Union Carbide introduced a

EPSTEIN - Direct/Hollingshead

1 vacuum stripping technology to reduce the levels of
2 residual VC in the PVC, Union Carbide, as I indicated
3 before, had extensive knowledge of high levels of VC
4 in PVC prior to that, but delayed doing so until
5 after the B.F. Goodrich announcement of the
6 angiosarcoma deaths and also the OSHA emergency
7 temporary standard, so this was subsequent to those
8 events. So moving on from the statement that there
9 was no data prior to '74, we have to see what
10 available data there are subsequent to '74.

11 Now, Wheeler's simulation -- I beg your
12 pardon, Dr. Wheeler's simulation in modeling started
13 of claims that there were levels 0.98 ppm during
14 bagging. This is in contrast to Dr. Davidson's
15 estimate of up to one order of magnitude higher; in
16 other words, up to about ten --

17 Q. Approximately double?

18 A. No, order of magnitude is ten fold of about
19 ten parts per million.

20 The Gollob analytical data are as
21 follows: -- We're going to briefly review Gollob
22 analytical data.

23 First of all, there are the irrelevant
24 data as Mr. Hollingshead has pointed out of the 1400
25 parts per million levels in a van. Then there's

EPSTEIN - Direct/Hollingshead

1 Gollob's data on three to four parts per million in
2 the breathing zone for the bagging, three to four
3 parts per million in the breathing zone in the bag
4 room, and then there's the irrelevant data on 27
5 parts per million between the pallets in the
6 warehouse where he didn't work, but these data ignore
7 the following.

8 They ignore the role, first of all, of
9 PVC dust, which we've already discussed in some
10 detail, which has maximal relevance to the
11 respiratory tract cancers.

12 It ignores the levels near the heat
13 sealer where periodically during heat sealing you can
14 have very high levels of exposure, and it also
15 ignores levels from welding where during welding you
16 could have sudden high levels.

17 It also ignores, of course, the role of
18 a wide range of co-pollutants, the styrene and ozone,
19 et cetera, which could have an interactive, or as you
20 previously recognized, synergistic affect with the
21 PVC with the VC. After '75 and '76, Gollob
22 analytical data still finds more than one half of
23 part per million in the bag room, but this again
24 excludes the following: It excludes PVC dust, it
25 excludes VC from welding and from sealing and from

EPSTEIN - Direct/Hollingshead

1 the sealer exposures which according to Davidson were
2 very high. That is the brief summary of the VC
3 levels.

4 Q. And I take it that brings us to the end
5 of your preliminary comments as you are leading up to
6 my original question which was, what were the VC
7 levels of exposure that Mr. Peterson had?

8 A. That's correct.

9 Q. Good, that sounds like a good time for a
10 lunch break.

11 A. Great.

12 (whereupon, a lunch recess is taken.
13 Time noted 1:00 p.m.)

14 A F T E R N O O N S E S S I O N .

15 (Time noted: 1:30 p.m.)

16 Q. Dr. Epstein, we're now back after the
17 break and we will pick up with my question as to what
18 were the VC levels of exposure that Mr. Peterson had
19 while he was employed at ATC?

20 A. Again, I have still one more general thing,
21 namely the source, the degassing of the VC from PVC,
22 and to be specific in the answer, I have to go
23 through in the same way as I've just gone through the
24 data on atmospheric VC levels. I have to tell you
25 what my position is on the VC exposures as a result

EPSTEIN - Direct/Hollingshead

1 of degassing from PVC and the major types.

2 The first is VC will degas from PVC in
3 the following ways: The residual or the sources of
4 VC, the residual VC from the suspension resins, we've
5 talked about the Wheeler data of 860 parts per
6 million VC residual in suspension resins at Union
7 Carbide, and about a week later about 565 parts per
8 million.

9 Davidson emphasizes that the degassing
10 is non-linear, so it's very rapid then flatens off
11 subsequently. That's the first thing.

12 Now, the degassing of VC from PVC dust
13 would occur from PVC dust in the air and on his
14 clothing and on his skin. Gollob points out that
15 degassing is continual and the exposure is
16 continuing. Additionally there's likely to be very
17 high loal concentrations of vinyl chloride in the
18 body from very rapid elution in the body.

19 Q. When you say the body, what do you mean
20 by the body?

21 A. Either lungs or larynx.

22 In other words, if you have a PVC
23 particle or agglomerate, the VC would be eluted from
24 it rapidly producing very high loal concentrations of
25 vinyl chloride.

EPSTEIN - Direct/Hollingshead

1 Additionally, you will have very high
2 levels of VC reaching the hundreds part per million
3 from thermal degradation. So when you ask me what
4 are the levels of VC exposure, I can say it's
5 impossible to give you an overall figure because of
6 these very wide range of sources each without
7 characteristic types of exposure.

8 One can talk about what the levels in
9 the bagging area, the breathing into the bagging area
10 are, but this will give you no indication whatsoever
11 as to the VC levels from degassing air on his
12 clothing, from degassing from elution in the body of
13 PVC particles and also the massively high levels,
14 loal levels from heating, from thermal degradation in
15 relation to the sealer and the welder.

16 So the answer is a very wide range of
17 levels of VC going up to the hundreds of parts per
18 million and going down to levels which are found to
19 have been recorded in the bagging room, the bagging
20 area of the bagging room which I should point out is
21 some 50 foot away and the sealers are some fifteen
22 feet away from the baggers.

23 Q. What is your understanding of how Gollob
24 went about performing their tests in 1974?

25 A. That data were largely on breathing zones of

EPSTEIN - Direct/Hollingshead

1 the baggers.

2 Q. How do they accomplish that?

3 A. Personal sampling.

4 Q. Personal sampling by way of a personal
5 monitoring?

6 A. Yes. My understanding is they also did some
7 area monitoring, too.

8 Q. Stay with the personal monitoring for a
9 moment.

10 That would be a personal monitor placed
11 upon the bagging operator?

12 A. Yes, correct.

13 Q. For how long a period did they have it
14 on the operator, do you know?

15 A. I forget. You can get those details from
16 Davidson or refreshing your memory in the report.

17 Q. Did you take into account the Gollob
18 personal monitoring results in the rendering of your
19 report?

20 A. It's one additional piece of information which
21 is of some help, clearly it's not directly relevant
22 to Peterson because he wasn't a bagger and therefore
23 it wouldn't be directly relevant, but in view of a
24 failure of UC and ATC to do area sampling and area
25 monitoring and monitoring of Peterson himself, one

EPSTEIN - Direct/Hollingshead

1 has to take a look at all available information, some
2 of which is more relevant, some which is less
3 relevant than others.

4 Q. What is your understanding of what Mr.
5 Peterson's position was at ATC?

6 A. Well, I thought we had discussed that, but I
7 can briefly go over it again.

8 Q. I want an answer to the question in
9 terms of what you understood his position to have
10 been.

11 A. From what period of time are you talking
12 about?

13 Q. Prior to the 1974 period.

14 A. He was a maintenance and repair mechanic.

15 Q. What was his position after '74?

16 A. Maintenance supervisor.

17 Q. Based on what you know about the ATC
18 operation, due to your review of a considerable
19 amount of information, were the concentration levels
20 of VCM in the atmosphere likely to have been the
21 highest on an average basis in the bagging operation
22 or elsewhere?

23 A. Well, you have to define, with due respect,
24 your question more clearly because the levels in the
25 bagging area one can discuss or one can look at the

EPSTEIN - Direct/Hollingshead

1 data on personal monitoring in the bagging area of
2 VC. This will give you no indication as to levels
3 inside the body from elution of vinyl chloride from
4 PVC dust particles and agglomerates.

5 Second, it will give you no indication
6 whatsoever as to VC levels resulting from thermal
7 degradation due to pyrolysis and welding.

8 Additionally, it will give you no
9 indication whatsoever as to the gradual and sustained
10 long term degassing, continuing degassing, as Gollob
11 pointed out, from clothing and skin and this kind of
12 thing, so the bagging, the personal monitoring in the
13 bagging area will merely give you one aspect of a
14 fairly complex problem.

15 Q. But isn't the purpose of the personal
16 monitoring that is done by an outfit such as Gollob
17 intended to show what the level of a particular
18 chemical is in the atmosphere in the breathing zone
19 of the individual?

20 A. Precisely, but it won't tell you what is
21 occurring, what is inside the body.

22 Q. Well --

23 A. Hang on one second. And it wouldn't tell you
24 also what would happen a few feet away where there's
25 sealing and where there's welding.

RNW 2320

EPSTEIN - Direct/Hollingshead

1 Q. In your attempting to determine what is
2 in the breathing zone of the individual in the
3 bagging room, would not the personal monitoring
4 that's done particularly over an eight hour basis
5 give you an accurate indicator of what the individual
6 has breathed in, no matter where he is in that room
7 during the course of the day?

8 A. Not at all.

9 First of all, you said eight hours, I
10 don't know that it was eight hours, it may have been
11 for a shorter period of time.

12 Q. My question was hypothetical.

13 A. I beg your pardon, I thought you were
14 asserting it was eight hours.

15 Q. I am not. If we had gone over the
16 course of eight hours, would that give an accurate
17 indication of what that person breathed in in terms
18 of that chemical during those eight hours?

19 A. That person, yes. But a person working a few
20 feet away the answer is no, and even as far as that
21 person is concerned, it would give you no indication
22 as to what levels of VC his larynx or his lungs were
23 exposed to because of the additional very rapid high
24 level exposures from elution.

25 Q. Are you talking about the fact that the

EPSTEIN - Direct/Hollingshead

1 individual may have digested or swallowed some of the
2 resins?

3 A. Not an individual may have, any individual in
4 the bagging area would inhale substantial amounts of
5 PVC particulates and therefore there would be
6 continuing elution of VC from the PVC in his body, in
7 his or her body.

8 Q. Now, all of this I assume is preliminary
9 to your conclusion that it's basically impossible for
10 you to determine at this point in time what the
11 exposure levels were that Mr. Peterson had during the
12 course of his employment at ATC; is that fair?

13 A. What I would say is he was exposed on a
14 continuing basis that particularly in that early
15 period to very high levels of PVC dust and also to
16 variable levels of vinyl chloride which on occasion,
17 which on substantial occasions would have been very
18 high from these, considering these multiple and
19 separate types of exposure.

20 Q. Now, over the course of the last half
21 hour we were talking about PVC then VCM, let's go to
22 asbestos for a moment.

23 A. Sure.

24 Q. Do you have any indication from any of
25 the information available to you as to what Mr.

EPSTEIN - Direct/Hollingshead

1 Peterson's level of exposure was to asbestos?

2 A. Well, again, the answer is no. And the reason
3 for this is the failure of UC to supervise work
4 practices at ATC and the failure of ATC to have
5 undertaken any monitoring.

6 Now, having said that one can say that
7 he clearly was exposed to asbestos, the evidence of
8 this is coming from two sources.

9 One, the radiological changes in his
10 lungs; and two, the description of the work
11 practices.

12 Q. What description of the work practices
13 are you referring to that gives you the indication
14 that he was exposed to asbestos?

15 A. Well, I'm told by information perceived from
16 Mr. Levinson's office that, and also the Davidson
17 depo that he repaired brake linings, but this was
18 quote "not a frequent job".

19 Q. Now, whose quote is that?

20 A. That was Davidson's quote, "Not a frequent
21 job".

22 Q. Let me rephrase my question.

23 Do you have any information that comes
24 from Mr. Peterson himself as to what his history of
25 exposure to asbestos at ATC was?

RNW 2323

EPSTEIN - Direct/Hollingshead

1 A. Yes.

2 Q. What?

3 A. That he changed brake shoes in large carriers.

4 Q. Where do you get that information from?

5 A. From Mr. Levinson's office.

6 Q. What document or other piece of
7 information provided you with such information?

8 A. You may recall you asked me this morning, and
9 I said that in addition to the original documents, he
10 sent me a personal list on occupational history. He
11 subsequently sent me a one or two-page statement
12 dealing with his exposures to asbestos.

13 Q. Do you recall when you received that?

14 A. I think sometime in the late summer but
15 certainly before I wrote my preliminary report.

16 Q. If I recall what you said earlier, you
17 don't have a copy of that document at this time,
18 correct?

19 A. Correct. As I've mentioned on several
20 occasions, all the information I have got I have
21 abstracted and summarized and this information is
22 contained in my files.

23 MR. HOLLINGSHEAD: Mr. Levinson, in
24 light of the fact that Dr. Epstein did not apparently
25 retain at least a sizeable amount of the information

EPSTEIN - Direct/Hollingshead

1 provided by your office, I would ask you if you could
2 tell me exactly what it is that he received.

3 You may or may not be able to, but I am
4 specifically concerned with this document from your
5 office that would indicate that Mr. Peterson had
6 exposure to asbestos at the time of his employment at
7 ATC.

8 MR. LEVINSON: Sure.

9 MR. HOLLINGSHEAD: Do you recall that
10 document?

11 MR. LEVINSON: Yes, the first document
12 to which reference has been made is the narrative,
13 the history given to me by Peterson in great detail.

14 MR. HOLLINGSHEAD: Which you supplied to
15 Dr. Epstein?

16 MR. LEVINSON: Right. In that history
17 he did tell us that the first six months he was
18 employed by OTD his job was maintenance mechanics,
19 and in that job he would put brake linings on these
20 large carriers and picked up these 20 ton containers
21 of PVC and would, in turn, dump them into the hopper
22 which went into the bagging room. That's where that
23 came from. But I will provide you with it.

24 MR. HOLLINGSHEAD: I would ask for a
25 copy of that because Dr. Epstein clearly has referred

EPSTEIN - Direct/Hollingshead

1 to it in his report.

2 MR. LEVINSON: I have a copy of that at
3 the office, I will make a note of that.

4 MR. HOLLINGSHEAD: Thank you.

5 Q. Dr. Epstein, did you read the deposition
6 of Mr. Peterson?

7 A. With difficulty. The copy I got was
8 extraordinarily illegible. I asked Alfred if he had
9 a better copy and I did go through it.

10 Q. Based on what you could read, do you
11 recall his testimony at all with regard to the issue
12 of asbestos?

13 A. To be quite frank, I can't recall.

14 Q. Your memory is correct because I can
15 tell you that there is no reference in his deposition
16 to asbestos exposure.

17 A. The thing I say that triggered me on this was
18 the radiological report.

19 Q. That's the one by Dr. Velez?

20 A. No, before that.

21 Q. Oh, I'm sorry.

22 A. No. In April '85, the calcified right
23 diaphragm, that's atypical asbestos pathology.

24 Q. So your information on this issue, that
25 is the exposure asbestos came from the narrative

EPSTEIN - Direct/Hollingshead

1 supplied by Mr. Levinson's office, as well as your
2 review of that radiological report and additionally
3 something in Dr. Davidson's deposition I think you
4 said?

5 A. Davidson, two other things Davidson talks
6 about, that is repairing the brake linings wasn't a
7 frequent job and Velez also refers to the asbestos,
8 Dr. Velez.

9 Q. Those are the areas or the sources for
10 your information on asbestos?

11 A. I haven't quite finished his asbestos
12 exposure.

13 Q. Go ahead.

14 A. There were two types of asbestos exposure; one
15 was the changing in the brake shoes, which wasn't a
16 frequent job in the first six months, but clearly was
17 enough to produce the radiological changes; and
18 secondly, there was a very, very minor additional
19 exposure, he assisted the boiler workers about once a
20 year or so in replacing the insulation of the doors
21 with asbestos rope and that was once a year, '63 to
22 '73.

23 Q. Is it your opinion that the asbestos
24 exposure, as you understand it to be, could have
25 caused his laryngeal keratosis and subsequent

EPSTEIN - Direct/Hollingshead

1 laryngeal cancer in the absence of any other chemical
2 to which he might have been exposed?

3 A. Yes.

4 Q. Is there literature support for that
5 opinion, and I would refer you now to your appendix
6 on asbestos, which is Appendix 1, and I realize you
7 put some of the articles and studies in here, so I
8 would refer you to that when I ask you this question,
9 and I will repeat it; if you could point me to the
10 literature support that would specifically conclude
11 that?

12 A. It is summarized in that particular appendix,
13 the studies on asbestos in relation to laryngeal
14 cancer.

15 Q. All right. Before we get into that,
16 allow me to ask whether or not there are different
17 forms of asbestos, to your knowledge?

18 A. Yes, I don't know what form of asbestos he was
19 exposed to.

20 Q. You anticipated my next question.

21 A. I have no idea at all.

22 Q. All right, would it make a difference in
23 your opinion and in your review of the literature as
24 to which form of asbestos he was exposed to?

25 A. All asbestos is carcinogenic.

RNW 2328

EPSTEIN - Direct/Hollingshead

1 Q. Have you had any experience with
2 asbestos in litigation before this?

3 A. Yes. With due respect, I think you asked me
4 this.

5 Q. I may have. I also may have forgotten.

6 A. There was one case, laryngeal cancer which I
7 mentioned to you earlier this morning.

8 In addition to that I think I had one in
9 relation, one case. I've done very little asbestos
10 litigation but there was one I believe on an atypical
11 mesothelioma. I think I've only had one or two,
12 maybe three.

13 Q. Based on the information available to
14 you, do you have a recollection or a reaction as to
15 when Mr. Peterson was last exposed to asbestos in his
16 employment at ATC?

17 A. I would say the last substantial or the last
18 significant exposure would have been in '67 to '68.

19 Q. And is that because at that point he
20 changed that particular job?

21 A. No, because according to the information that
22 I got, the changing of the brake shoes, which was not
23 a frequent job, was in the first six months '67 to
24 '68.

25 Q. Is there a latency period for laryngeal

EPSTEIN - Direct/Hollingshead

1 cancer, an average latency period from exposure to
2 the carcinogenic agent until the actual manifestation
3 of the cancer?

4 A. Well, it's really impossible to answer that
5 because latency will depend on the intensity of the
6 exposure, the duration of the exposure, the age of
7 the first exposure on a very, very wide range of
8 cancers, very wide range of factors and also
9 particularly individual carcinogens tend to have
10 different patterns, so it's really not possible to
11 answer that.

12 The only real way one can -- the only
13 kind of relevant information in this is in relation
14 to data on cessation of exposure, analysis of risk
15 factors in relation to cessation of exposure, and we
16 have reasonable data on that when it comes to smoking
17 because that is an example of a carcinogenic exposure
18 which at relatively early stages for respiratory
19 tract cancers can be reversible, so when it comes to
20 smoking, one can discuss this in relation to
21 specifics of latency.

22 It's really not directly relevant but
23 it's as close as I can come to answering your
24 question.

25 Q. You mention in your Appendix 1, is it

EPSTEIN - Direct/Hollingshead

1 Vigliani et al?

2 A. Yes.

3 Q. Studies in 1965 with regard to asbestos
4 workers with respiratory tract cancers?

5 A. Yes.

6 Q. Do I understand you correctly that of
7 the 19 asbestos workers one of them had laryngeal
8 cancer, but all of them had general respiratory tract
9 cancers, and I'm referring you to the top of Appendix
10 1.

11 A. They had different kinds of respiratory tract
12 cancer.

13 Q. All of them?

14 A. Yes. To the best of my recollection some of
15 them were bronchial carcinomas and others were
16 mesotheliomas, but I can't recall the relative
17 distribution of these.

18 One of these additionally, besides his
19 respiratory tract cancer, also had a laryngeal
20 cancer, too.

21 Q. If you know, what is the general rate of
22 laryngeal cancer in the population at large?

23 A. In males it's about 8.5 per 100,000.

24 Q. Would that be considered a rare cancer
25 or a frequent cancer or something in the midst?

EPSTEIN - Direct/Hollingshead

1 A. I consider that an uncommon cancer, but
2 incidentally, this figure of eight and a half per
3 100,000, you're bringing up a very interesting point
4 because the age distribution, because that's an
5 overall in the total population. Of course the --
6 laryngeal cancer is relatively uncommon under the age
7 of 60.

8 Q. So the 8.5 out of 100,000 would take
9 into account both those persons under 60 as well as
10 over?

11 A. It's an overall figure.

12 Q. So the suggestion is at above the age of
13 60 it is not quite uncommon?

14 A. It's not a suggestion, it's a fact. It's an
15 uncommon cancer, laryngeal cancer, but it's much more
16 common after the age of 60 than under the age of 60.

17 Q. Is there any significance to be drawn
18 from the fact that one out of 19 asbestos workers
19 with respiratory tract cancers have laryngeal
20 cancer? Put differently, is that consistent with the
21 general population or is that considerably higher
22 than the general population figure?

23 A. It could have been a fluke, it's difficult to
24 answer that. On the other hand, it's a pointer,
25 that's the reason why I mentioned it there because it

EPSTEIN - Direct/Hollingshead

1 was an interesting pointer of literature that
2 subsequently was confirmatory, had I just seen that
3 by itself and had that been all the information there
4 was, one may not necessarily have ascribed a high
5 degree of relevance to that.

6 Q. Was the Vigliani study what is known as
7 an epidemiological study?

8 A. Well, it's an epidemiology study in the sense
9 there were a series of case reports.

10 In other words, it was an analysis of
11 asbestos workers with respiratory tract cancer and
12 among them there was one case of laryngeal cancer.

13 Q. Does the author draw a conclusion at all
14 with regards to the relationship between asbestos and
15 laryngeal cancer in that report?

16 A. To be quite frank, I've forgotten.

17 Q. Do you have it as part of your notebook?

18 A. If I have it here I will show you. I don't
19 think I have.

20 No, I haven't.

21 Q. Is there any indication in the report to
22 your recollection as to the frequency deration or
23 extent of exposure to asbestos of these workers?

24 A. I don't recall.

25 Q. With regard to the Stell & McGill study

EPSTEIN - Direct/Hollingshead

1 which is referred to in Appendix 1, what do you
2 recall about that particular study?

3 A. This was an analysis of cases of laryngeal
4 cancer. It was a fairly brief report and it was
5 noted that of the cases of laryngeal cancer, in about
6 17 of them there was a history of asbestos exposure.

7 Q. Specifically there were 59 cases of
8 laryngeal cancer that were studied by the authors?

9 A. Correct, and this was statistically signified.

10 Q. Was this also a series of case reports?

11 A. Actually this was just an analysis of 59 cases
12 of laryngeal cancer and in each of these they looked
13 into occupational history and just reported this. It
14 wasn't a formal epidemiological on a cases total
15 study, but it was just a brief report, almost like a
16 preliminary report.

17 Q. To your recollection, did the authors
18 draw any conclusion as to a connection between
19 asbestos and laryngeal cancer with the study?

20 A. I'm pretty sure they did. I may have it here.

21 Yes, I do have it here.

22 Q. Are you referring now to the back of
23 your notebook?

24 A. Yes, the Stell & McGill study. It was
25 exposure to asbestos is most likely to be of

EPSTEIN - Direct/Hollingshead

1 importance in laryngeal cancer in a more deep study,
2 and a more detailed study of this group is being
3 undertaken.

4 Q. Does that result of the Stell & McGill b
5 study that is reported in your appendix?

6 A. Correct.

7 Q. I take it they followed up at a
8 subsequent point and came up with another study,
9 that's what that study indicates?

10 A. That was the 1973 b study and what they found
11 there, a highly significant association between
12 occupational exposure and to asbestos and laryngeal
13 cancer. There was one problem about this study, they
14 noted that there were more smokers among the patients
15 with laryngeal cancer than in the controls, so that
16 makes this study somewhat difficult to interpret
17 because if you're smoking there's an additional
18 factor, but nevertheless the study was in general
19 consistent. I would regard it as consistent with
20 other studies in demonstrating cause and associations
21 between laryngeal cancer and asbestos exposure.

22 Q. Can you tell from the article or copy of
23 the study in your notebook as to what the frequency
24 duration or extent of exposure was, or is it not
25 noted?

EPSTEIN - Direct/Hollingshead

1 A. I'm pretty sure it was not noted.

2 Q. Was it your understanding that these
3 were workers? By that I mean the people who studied
4 in the Stell & McGill studies, these were workers in
5 the asbestos industry?

6 A. Yes.

7 Q. In the manufacturing end of the asbestos
8 industry, do you know?

9 A. I can't recall.

10 Q. Does the study indicate what exactly the
11 higher percentage of non-smokers was among the
12 controls or put in the reverse, what the percentage
13 of smokers within the group of workers with laryngeal
14 cancer was?

15 A. I can't recall, I'm sorry.

16 Q. Did the study itself indicate that?

17 A. It may give data, I can't recall whether it
18 does, but the study criticized itself or rather
19 recognized the limitations while stating that the
20 results showed cause and association, appear to show
21 cause and association, it recognized that as a
22 limitation, the fact that there were more non-smokers
23 in the controls than there were in the test group.

24 Q. My question, if it wasn't clear though,
25 was just asking whether or not there is a number

EPSTEIN - Direct/Hollingshead

1 given for the greater percentage of smokers?

2 A. I'm sorry, I don't recall.

3 Q. Well, the study is right here, does it
4 have it?

5 A. I don't have that here.

6 Q. You're referring to the b study which
7 you don't have a copy of?

8 A. Well, I have -- all that I have for the b
9 study is just a very, very brief summary. For
10 instance, I say the patients' smoking habits were
11 similar with respect to the exposure to asbestos, but
12 I don't have the exact figures. In fact, I don't
13 have any figures at all for that.

14 Q. Does the study, in any way, in your view
15 support the proposition that laryngeal cancer can
16 also be related to smoking cigarettes?

17 A. There's no question.

18 Q. That can be related?

19 A. Of course, there's a clear literature on
20 smoking and laryngeal cancer.

21 Q. Can you cite that literature anywhere in
22 your preliminary report?

23 A. I recognize this, sure, I'm certain I do. In
24 the preliminary report look at page two. Excuse me,
25 do you have page two of the preliminary report?

EPSTEIN - Direct/Hollingshead

1 Q. Yes.

2 A. Under item number six?

3 Q. Yes, but there's no studies listed
4 there. The U.S. Surgeon General report?

5 A. That's right. You'll find in the section of
6 larynx cancer several studies on the relationship
7 between smoking and laryngeal cancer.

8 Q. While we're on the subject, why don't we
9 turn to that and you can point out the studies for
10 me.

11 A. Well, I will lead you.

12 Q. I'm sorry, you're referring to the
13 preliminary report?

14 A. Sure.

15 Q. Let's turn to the preliminary report and
16 if you would go to any section in here that talks
17 about smoking which cites particular studies?

18 A. Any section in where?

19 Q. In the preliminary report.

20 A. There isn't, just a statement that they're
21 recognized risk factors.

22 Q. What page are you on?

23 A. Page two, "While alcohol and smoking,
24 particularly in combination and at high exposure
25 levels are recognized risk factors" -- in other

EPSTEIN - Direct/Hollingshead

1 words, this is well recognized in the literature.

2 Q. Can you give me an indication in the
3 literature where I can find that if I wish to go read
4 that?

5 A. Sure, you will find, first of all, at the
6 beginning of the section marked "Larynx Cancer",
7 there's a heading marked "Lifestyle".

8 Q. You're now referring to the notebook,
9 not the preliminary report?

10 A. That's correct. And then it says tobacco and
11 alcohol, it gives a few references and then as you go
12 along through this, you will find about half a dozen
13 papers on larynx and smoking.

14 Q. So there are references within your
15 notebook that I can look at?

16 A. Sure.

17 Q. Can you tell me, I think it's apical?

18 A. It means apex.

19 Q. Pulmonary fibrosis is?

20 A. It means at the apex of the lungs.

21 Q. You've indicated on Appendix 1 under the
22 asbestos section, at the end of first paragraph that,
23 "It should be further noted that apical pulmonary
24 fibrosis, considered to be unrelated to the asbestos
25 exposure, was noted in 5/31 laryngeal cancers;

EPSTEIN - Direct/Hollingshead

1 pulmonary asbestosis was noted in conjunction with
2 one of these cancers."

3 Can you tell me what the significance of
4 that statement is in the context of the Peterson
5 case?

6 A. I don't really think there is any necessary
7 association. It just happened they found in five of
8 the laryngeal cancers there was apical pulmonary
9 fibrosis and it was considered to have nothing to do
10 with the asbestos exposure.

11 The only reason why I mention it, it was
12 just an association idea of concepts because Peterson
13 prior to his coming to work at ATC was noted to have
14 minimal apical pulmonary fibrosis, but the authors of
15 this, the Stell & McGill people say they thought it
16 had absolutely nothing to do with it, with his
17 laryngeal cancer; however, one of the laryngeal
18 cancers, one of these have pulmonary asbestosis.

19 Q. Does Mr. Peterson show that he has
20 pulmonary asbestosis?

21 A. Yes.

22 Q. Do you distinguish between pulmonary
23 asbestosis and chronic obstructive lung disease?

24 A. Well, pulmonary asbestosis is generally more
25 restrictive but you can have obstructive lung disease

EPSTEIN - Direct/Hollingshead

1 in it.

2 Q. When you talk about chronic obstructive
3 lung disease in your preliminary report?

4 A. Yes.

5 Q. Which you do specifically in paragraph
6 one on page one.

7 A. Yes.

8 Q. Do you intend to mean that that is
9 different from pulmonary asbestosis which you use as
10 a different term in different locations in the
11 report?

12 A. Well, with pulmonary asbestosis you don't
13 necessarily have chronic lung disease.

14 Q. You mean you don't necessarily have them
15 at the same time?

16 A. Yes.

17 Q. One does not necessitate the other?

18 A. Yes. The Velez report makes it very clear
19 that he had chronic obstructive pulmonary disease.

20 Q. Perhaps you just threw me again. If he
21 has chronic obstructive lung disease, would that be a
22 separate illness from either chronic obstructive
23 pulmonary disease or pulmonary asbestosis?

24 A. I must apologize, chronic obstructive lung
25 disease is the same as chronic pulmonary disease.

EPSTEIN - Direct/Hollingshead

1 Pulmonary asbestosis is from the point
2 of view of lung function tests, which Dr. Velez is
3 the expert and I'm not, is more of a restrictive lung
4 disease.

5 So he had, in fact, two types of lung
6 problems; one, the chronic obstructive lung pulmonary
7 disease or lung disease and also restrictive disease
8 characteristic of pulmonary asbestosis.

9 Q. Is it your opinion chronic obstructive
10 lung disease can be caused by exposure to asbestos
11 without regard to any other chemical exposures?

12 A. Well, it's more in terms of the restrictive,
13 but you can't have chronic obstructive lung
14 disease --

15 Q. Would you necessarily have chronic
16 obstructive lung disease as a precursor to pulmonary
17 asbestosis?

18 A. No.

19 Q. You can't have pulmonary asbestosis
20 without having chronic obstructive lung disease?

21 A. That's my understanding, but I would refer to
22 Dr. Velez.

23 Q. But it would be your understanding and
24 your opinion that pulmonary asbestosis is caused by
25 asbestos exposure?

RNW 2342

EPSTEIN - Direct/Hollingshead

1 A. By definition.

2 Q. One would think?

3 A. Yes.

4 Q. That we can agree on?

5 A. I thought we've agreed on virtually
6 everything.

7 Q. Probably.

8 Going back to a question or series of
9 questions I posed to you just a little while ago
10 about the cigarette smoking, looking at your Appendix
11 1, I note and I want to correct the record if that's
12 necessary, that you do have a reference to cigarette
13 smoking being associated with laryngeal cancer, it's
14 the Shettigara and Morgan report of 1975, am I
15 reading that correctly?

16 A. Sure, but that was part and parcel, that
17 really wasn't a study on cigarette smoking per se, it
18 was a study on asbestos exposure in which smoking was
19 considered, so this isn't a definitive reference on
20 smoking.

21 Q. There there was no reference in the
22 preliminary report?

23 A. Yes, there was no reference to study of
24 smoking, this was purely experimental on the study of
25 asbestos.

RNW 2343

EPSTEIN - Direct/Hollingshead

1 Q. Is it your opinion that to a reasonable
2 degree of medical probability that Mr. Peterson's
3 exposure to asbestos during his employment at OTD and
4 ATC has placed him at excess risk of future disease
5 and cancers?

6 A. It's one of the factors, sure.

7 Q. When you say with regard to the
8 relationship between asbestos and an increased risk
9 or excess risk of future disease and cancers, are
10 there any particular future diseases that you have in
11 mind or particular future cancers that you have in
12 mind?

13 A. With relation to what, asbestos?

14 Q. Yes.

15 A. Yes, bearing in mind that I say asbestos is
16 only one and not necessarily the most important one,
17 but restricting your response just to asbestos, the
18 cancers which one would have to consider would be the
19 following: Would be bronchial cancer or lung cancer,
20 firstly; secondly, would be mesothelioma; and
21 thirdly, would be gastrointestinal cancer,
22 particularly a colorectal cancer, there are some
23 other rarer cancers associated with asbestos, but
24 those are the ones which I would have particular
25 concern for only with relation to the asbestos.

EPSTEIN - Direct/Hollingshead

1 Q. Now, the listing you just gave me,
2 they're all cancers, if I heard you correctly?

3 A. Correct.

4 Q. Are there any other diseases other than
5 cancer that he has in excess risk of contracting?

6 A. From specifically the asbestos exposure?

7 Q. Yes.

8 A. Sure. From any -- when you have pulmonary
9 fibrosis and asbestosis, you can have, first of all,
10 an increased susceptibility to infection and
11 therefore pneumonia, and also you can have
12 cardiovascular strains which can result in addition,
13 that's called cor, C-o-r, pulmonale
14 P-u-l-m-o-n-a-l-e, which is an essentially right
15 heart failure, as the lung, as you have fibrosis and
16 scarring in the lung it becomes more difficult to
17 pump blood through the lungs from the pulmonary
18 artery, so the right heart can gradually enlarge and
19 you can get right heart failure?

20 MR. HOLLINGSHEAD: I have to take a very
21 short break.

22 (Recess is taken.)

23 Q. With regard to the cancers that you
24 indicated, the mesothelioma is a cancer that is
25 linked specifically with asbestos, is it not?

EPSTEIN - Direct/Hollingshead

1 A. Well, actually there's two kinds of
2 mesothelioma, there's a plueral of mesothelioma,
3 that's a lining of the lung and peritoneal lining of
4 the abdomen, both of them to all intents and purposes
5 are exclusively related to asbestos exposure.

6 Q. So regardless of any other exposure that
7 Mr. Peterson had, the future risk for these cancers
8 could only be associated with asbestos exposure, am I
9 correct?

10 A. With relation to these mesotheliomas?

11 Q. Yes, the mesotheliomas could only be
12 related to asbestos exposure?

13 A. Right.

14 Q. I may have read your report incorrectly
15 in this regard, but I thought you also suggested that
16 the colon or rectal cancers would also primarily be a
17 result or a possible result of under the exposure to
18 asbestos?

19 A. I didn't say that at all. What I said was
20 they are risk factors to colorectal cancer.

21 Q. Well, you've indicated on page one of
22 your report at the bottom, and I'm not quoting the
23 entire section, but you say that there is a risk of
24 pulmonary mesothelioma and colon cancer from
25 asbestos?

EPSTEIN - Direct/Hollingshead

1 A. Correct.

2 Q. Now, that is from there that I had the
3 impression that you were saying the potential future
4 risk of colon cancer was a result of only asbestos
5 exposure, have I misread it?

6 A. Not an unreasonable interpretation, but it's
7 not actually correct because I go on to say, also
8 cancers at a wide range of other sites, and the
9 reason for that being is because the VC/PVC,
10 vinylchloride produces a very, very wide range of
11 which gastrointestinal tract happens to be one, I
12 don't specify all of them, though, I just say a very
13 wide range of sites.

14 Q. Do you have an opinion as to the
15 particular likelihood that Mr. Peterson will contract
16 a mesothelioma in the future from asbestos exposure?

17 A. I would defer to Dr. Velez on this, he's much
18 more experienced in the asbestos area than I am.

19 I believe it's generally a five-fold
20 risk, something of that kind, but this isn't my area
21 I wouldn't profess to on a high level of expertise.

22 Q. I understand that and I accept it. I
23 want to ask you what you mean by five fold?

24 A. In other words, his risk of lung cancer is
25 increased five fold that of the general population.

EPSTEIN - Direct/Hollingshead

1 Q. Five fold, do you mean five times as
2 great?

3 A. Yes, five times greater than that, again, I
4 defer to Dr. Velez on this.

5 Q. Just speaking of this issue in general,
6 what is the general risk that any of us run that we
7 will contract cancer in our lifetime?

8 A. Basically on an overall basis one in three
9 chances, and of contracting and diagnosis, one in
10 four.

11 Q. If I understand you correctly, it's one
12 out of three in terms of the risk of contracting
13 cancer and one out of four of contracting and
14 diagnosing?

15 A. Correct.

16 Q. Now, that would be with regard to all
17 varieties of cancer?

18 A. That's the total U.S. population, that's an
19 overall figure for cancer incidents, excluding skin
20 cancer of incidents and mortality.

21 Q. Why does it exclude skin cancer?

22 A. Just the quirk of the way it's reported,
23 because there's a pretty wide range of skin cancers,
24 the reporting for skin cancer is much less good.

25 In other words, somebody could have a

EPSTEIN - Direct/Hollingshead

1 little bit of nodule on the skin which can be excised
2 and not be reported. Generally, when you talk about
3 overall incidents and overall mortality, you
4 generally relate this to cancers and you say it's
5 almost like a religious caveat. When it comes to
6 mortality, of course, that would be a different
7 matter, but when it comes to incidents, one would be
8 screwing up the data by including skin cancer.

9 Q. Is it generally considered by doctors
10 that skin cancer is more prevalent than other forms
11 of cancer, as well?

12 A. It depends exactly where you are, because in
13 the south you can have, particularly where there's a
14 lot of sun, premalignant changes, keratosis of the
15 skin, skin cancer is much more common, so as I say
16 the overall figure is one in three and one in four,
17 I'm excluding skin.

18 Q. Now, when you said a moment ago,
19 recognizing that you defer to Dr. Velez, the
20 pulmonary and asbestos expert in the case, when you
21 said a moment ago that you would anticipate that Mr.
22 Peterson's excess risk of contracting mesothelioma is
23 perhaps five fold, are you talking five fold that
24 normal risk of anyone contracting cancer?

25 A. No, excuse me --

EPSTEIN - Direct/Hollingshead

1 Q. One in three?

2 A. I may have misspoken. I think what really I
3 should have said would be his risks of contracting
4 malignant disease of the lung, somebody with
5 asbestosis has about a five fold increase the risk of
6 getting a lung malignancy which includes a bronchial
7 carcinoma and mesothelioma.

8 Q. Well, I'm not sure you misspoke, because
9 my question was specifically geared to mesothelioma,
10 I did not open it up to --

11 A. I beg your pardon, essentially what I was
12 talking about, what I should have been talking about
13 was malignant lung disease. In other words, if you
14 have asbestosis your chances of getting malignant
15 lung disease is somewhere, what, in the region of
16 five fold.

17 The reason one has to put it this way is
18 because the general population has no reason at all
19 in getting mesothelioma, so it would be a meaningless
20 statement when it comes to mesothelioma. What I was
21 talking about was malignant lung disease, I'm sorry.
22 I really expressed very clumsily.

23 Q. Well, if we used that understanding that
24 you're talking about malignant lung disease, when you
25 say that someone might have a five-fold risk factor,

EPSTEIN - Direct/Hollingshead

1 are you saying that that is a risk factor above the
2 standard one in three risk factor that the general
3 population has?

4 A. No, the one in three is an overall for all
5 cancers.

6 Q. Yes, I'm just trying to understand what
7 you're saying.

8 A. Sure. If you look at the general population,
9 what are the chances of the general population
10 getting lung cancer, and then the chances if you have
11 asbestosis, it is a five-fold risk. But again, as I
12 emphasize, I defer -- what I'm saying is, if you have
13 asbestosis there is a substantial increased risk of
14 getting malignant disease of the lung. More than
15 that I would wish to defer the specifics to Dr.
16 Velez.

17 Q. I won't press too hard, but of the two
18 people involved in this deposition testimony, you
19 have a little more information than I do, so let me
20 probe one second longer.

21 Do you have a percentage figure in mind
22 for the risk that anyone runs of contracting lung
23 cancer in his or her lifetime?

24 A. Well, it depends whether they're smokers, of
25 course, and you have to break it down to smokers or a

EPSTEIN - Direct/Hollingshead

1 smoker can have a ten percent, it's very difficult to
2 say this because all you can relate is that the
3 amount of smoking and duration of smoking, but say
4 you have all of these factors, you can say roughly
5 how many people get lung cancer every year, let's say
6 it's 100,000 lung cancer deaths and 400,000 or say
7 450,000 overall deaths, so one can say a little more
8 than a quarter of all cancer deaths are due to
9 smoking -- more than a quarter of all cancer deaths
10 are due to lung cancer.

11 Q. Is this another area that you would feel
12 more comfortable deferring to Dr. Velez?

13 A. Which area?

14 Q. The discussion of increased risk on lung
15 cancer?

16 A. In smoking?

17 Q. In smoking or --

18 A. No, I'm fine as far as smoking is concerned,
19 it's in relation to the asbestos that I would defer
20 to him.

21 Q. Let's go to the appendix on vinyl
22 chloride and PVC which I believe is 2.

23 A. Yes.

24 Q. Do you have the Harris report with you
25 in your notebook?

EPSTEIN - Direct/Hollingshead

1 A. Which was that?

2 Q. Harris, 1953, referring to VC levels
3 ranging up to 8,000 ppm?

4 A. No.

5 Q. Do you have a recollection as to which
6 particular form of PVC Harris was referring to?

7 A. I don't recall. My guess is it must have been
8 suspension.

9 Q. Why is that?

10 A. Because that's the highest. In general those
11 are the highest levels. I mean that's the resin for
12 the highest levels.

13 Q. Do you know how much residual vinyl
14 chloride is contained in the various manufacturing
15 methods that were used in the resin that was shipped
16 to ATC?

17 A. How much?

18 Q. At the time of manufacture?

19 A. I thought we discussed this. According to
20 Wheeler it's 860 ppm.

21 Q. For which?

22 A. For the suspension. Although, I've indicated
23 that according to the literature much higher levels
24 of VC are recorded than of course in the bulk and in
25 the solutions there are lower levels.

EPSTEIN - Direct/Hollingshead

1 Q. Do you have a number in mind to the
2 bulk?

3 A. Yes, about five to 15.

4 Q. Five to 15?

5 A. Five to 15 ppm.

6 Q. And for the solution?

7 A. About one ppm.

8 Q. That's at the time of manufacture,
9 correct?

10 A. Correct, although not necessarily being the
11 case from '67 to '73.

12 Q. I'm sorry, why is that again?

13 A. Because I have no data on these as produced at
14 individual years.

15 Q. Doesn't Dr. Wheeler give both prior and
16 post '74?

17 A. Yes, but I don't recall whether he gives them
18 for each of these years independently.

19 The other one point I should mention is
20 that there's an inconsistency in Wheeler's statement.
21 He states that the levels were 860 ppm in the Wheeler
22 versus Maliko, but he changes his mind when it comes
23 to the interrogatories where it says they go up to
24 2,000 ppm.

25 Q. This is for the suspension?

EPSTEIN - Direct/Hollingshead

1 A. So you pay your money and you take your
2 choice.

3 Yes, suspension.

4 Q. For each of the three systems of
5 manufacturing, that is the solution process, the bulk
6 process and suspension process?

7 A. Not in the solution.

8 Q. I said solution, bulk.

9 A. I beg your pardon.

10 Q. For each of those three processes, are
11 you aware as to whether or not after manufacture, the
12 residual vinyl chloride monomer, what's the word I
13 want, dissipates in some fashion from the resin?

14 A. Sure.

15 Q. Do you know the rate at which it
16 dissipates for each of the resins?

17 A. Well, you recall you had an extensive
18 discussion with Dr. Davidson.

19 Q. I do entirely but this is your
20 deposition.

21 A. I thought it might be helpful to refresh your
22 memory.

23 Q. My memory is quite refreshed.

24 A. Okay, it's fairly rapid degassing.

25 Q. For all three?

EPSTEIN - Direct/Hollingshead

1 A. Well, for everything it's fairly rapid, it
2 depends on a wide range of considerations. It
3 depends on the initial concentration. It depends on
4 the initial concentration, blastosizes (phonetic),
5 the size of the resin particle, and a whole series of
6 factors of this kind. But in general, one can say
7 there's a non-linear degassing, in other words, at
8 high, particularly at high concentrations, degassing
9 is very rapid initially then tapers off. And
10 Wheeler, for instance, said that there was 860
11 initially at manufacture, by the time it reaches the
12 plant, reached ATC, it was somewhere down to 580 or
13 whatever it was, something like that.

14 Q. In rendering your preliminary report,
15 did you have any particular number in mind for any of
16 the three varieties of PVC resin for the amount of
17 residual vinyl chloride monomer that was in existence
18 at the time that Mr. Peterson was exposed to the
19 resin?

20 A. Well, I just knew that there were high levels
21 of residual PVC in suspension.

22 Q. You said PVC?

23 A. VC in the suspension resin and much lower in
24 the others. Then, of course, when it comes to his VC
25 exposures, I indicated to you this is a reflection

EPSTEIN - Direct/Hollingshead

1 not only of the VC levels in the air but also VC
2 levels from inhaled or ingested PVC, plus other
3 sources like thermal degradation over and over and
4 above that, as I indicated before the PVC itself
5 considered to be greater importance than the VC.

6 Q. Well, let me break the three systems,
7 the three processes down for purposes of the
8 question.

9 With regard to the solution process, PVC
10 which you indicated a few moments ago had a RVCM
11 content of approximately one ppm at the time of
12 manufacture?

13 A. According to Dr. Wheeler, yes.

14 Q. I assume you're accepting that
15 testimony, are you not?

16 A. I really have no way of knowing whether this
17 was the case for all the years, but let us assume
18 that for a moment.

19 Q. Do you have any information from anyone,
20 Dr. Davidson or anyone else, that would suggest that
21 that number one ppm as RVCM number is wrong?

22 A. He may well be correct, but I have to have a
23 certain degree of caution because as I've indicated
24 to you before, Dr. Wheeler has seemed to have changed
25 his mind over a ten-year period as to what the levels

EPSTEIN - Direct/Hollingshead

1 of VC were in the suspension PVC, and furthermore,
2 there are other references on high levels, much
3 higher levels of residual VC and PVC than Dr. Wheeler
4 has suggested at any stage, so I really just have to
5 keep an open mind on this.

6 Q. Have you not done any independent review
7 of literature on PVC manufacturer in the preparation
8 of the report in this case?

9 A. No.

10 Q. Did you do such an independent review of
11 literature prior to the rendering of your report in
12 the Malika case ten years ago?

13 A. No, I just refer to the fact in the
14 literature, there are reports of much higher levels
15 of residual VC than Dr. Wheeler has stated.

16 Q. In the solution?

17 A. Excuse me, but what I was going to say, I
18 leave the details of this, I rely on the details of
19 this on Dr. Davidson.

20 Q. Well, before I leave the area, is it
21 your testimony that you are aware that in the general
22 literature that higher numbers than one ppm are
23 listed for the RVCM contents of the solution process
24 resin?

25 A. No, my discussion in relation to high levels

EPSTEIN - Direct/Hollingshead

1 related to suspension.

2 Q. Good, that's where we started. Let's go
3 back to solution process resin, you're accepting Dr.
4 Wheeler's analysis that there is 1 ppm RVCM in the
5 solution process for the purpose of rendering your
6 report in this case, are you not?

7 A. No, I'm not. I have a degree of caution in
8 accepting that in view of the disparity of the
9 levels, in the different levels he has reported for
10 suspension on two occasions, and it's fact that the
11 literature has reported much higher levels for
12 suspension. Now, because of these disparities I have
13 to have an open mind on solution, but I have not
14 investigated the matter and it's not my particular
15 area of expertise and I really can't go any further
16 than that.

17 Q. In particular, you don't have any
18 information as you sit here today that would
19 specifically tell you that Dr. Wheeler's conclusion
20 with regard to the RVCM content in the solution resin
21 is wrong, do you?

22 A. But I have information in relation to other
23 resins, therefore I have to approach all of his
24 statements on VC residual levels with caution.

25 Q. But you do not have any other

EPSTEIN - Direct/Hollingshead

1 information with regard to this solution?

2 A. Correct.

3 Q. Assume that the RVCM content of the
4 solution process resin at the time of the manufacture
5 is 1 ppm, have you utilized any particular assumption
6 as to what the RVCM level for solution resin was at
7 the time Mr. Peterson was exposed to it?

8 A. I would say it would be pretty similar.

9 Q. Around one?

10 A. Around about.

11 Q. Is there a particular reason for that?
12 Does that follow the explanation you gave earlier
13 that tends to leave the resin in a linear fashion and
14 then after a period of time it takes longer for that
15 last element to leave?

16 A. Correct, it's an expression, non-linear
17 degassing. Yes, you're absolutely right.

18 Q. Would that also account for why the
19 suspension RVCM level, whatever it may be, would
20 decrease rather rapidly in the beginning after
21 manufacture and then not continue to decrease at that
22 same rate later on?

23 A. Right. Rapid initial degassing, then tapering
24 off.

25 Q. Would the same basic principle be true

EPSTEIN - Direct/Hollingshead

1 for the bulk process which has, according to Dr.
2 Wheeler has a RVCM content of between five and 15
3 ppm?

4 A. That would be closer to the solution kind
5 because the initial levels were relatively low
6 compared to suspension, but in principle, yes.

7 Q. Did you have a particular RVCM level in
8 mind when you prepared the report as to Mr.
9 Peterson's exposure to the bulk process resin?

10 A. No.

11 Q. What do you mean in your report about
12 the middle of the first paragraph under polyvinyl
13 chloride on page three, quote, "The unreacted monomer
14 can be eluted out of PVC by body fluids" -- this is
15 where you made the change, isn't it?

16 A. That's okay, I don't make any change in
17 relation to that.

18 Q. Well, let me stop there in the quote
19 because what I wanted to ask you was with regard to
20 the body fluids?

21 A. Sure.

22 Q. I assume you are talking about after the
23 PVC has been in some way ingested by the body?

24 A. Ingested or inhaled.

25 Q. Or inhaled?

EPSTEIN - Direct/Hollingshead

1 A. Sure.

2 Q. And it would be then the human body's
3 fluids that is causing some of the unreacted monomer
4 to be taken out of the PVC resin?

5 A. Yes, it would dissolve it out.

6 Q. How would that happen?

7 A. The vinyl chloride would partition itself
8 between the level in the PVC particle and the level
9 in the plasma or extra cellular fluid and you would
10 have a very rapid diffusion out or what's called
11 elution and there's very substantial repeated
12 references to this in the literature.

13 Q. The beginning of the following paragraph
14 reads as follows: I will read for the record, "VC is
15 a potent carcinogen which induces a wide range of
16 malignant neoplasms in a wide range of experimental
17 animals, rats, mice and hamsters, following chronic
18 inhalation, oral or parenteral exposure." With regard
19 to those, the reference to malignant neoplasms and in
20 particular looking at the rest of the paragraph
21 there, are there any studies that have indicated in
22 animals that laryngeal cancer has been found to be
23 caused by exposure to VC?

24 A. Not that I'm aware of.

25 Q. Are you aware of studies that have been

EPSTEIN - Direct/Hollingshead

1 done whereby animals have been exposed to PVC resin
2 or PVC dusts?

3 A. Yes, these are listed for you in one of the
4 more recent documents which I've included.

5 Q. Is there any indication in those studies
6 that there is a connection between the exposure to
7 PVC resin or dust and laryngeal cancer?

8 A. You've asked me as to whether on laryngeal
9 cancer the PVC -- the answer is no.

10 Q. Is it Keplinger study? Is that how you
11 pronounce it?

12 A. Yes.

13 Q. Have the Keplinger and Viola studies
14 been fully accepted by the community, scientific
15 community, to your knowledge?

16 Are there any flaws in those studies
17 that would cause them not to be readily accepted by
18 the scientific community?

19 A. Well, there were questions, to the best of my
20 recollection, some of the MCA has challenged, I know,
21 the lung tumors as to being secondary to metastasis
22 rather than primary.

23 Q. Was the Keplinger work actually
24 completed or was that a preliminary study that was
25 not completed?

EPSTEIN - Direct/Hollingshead

1 A. That's odd.

2 Q. Drawing a blank?

3 A. No, it is interesting, I report Captain Joe
4 but don't give a reference to him. I'm sure it was
5 reported to the New York Academy of Sciences, you'll
6 find no reference in Keplinger. I apologize, Captain
7 Joe worked for a non-distinguished or reprehensible
8 company, IDT, that you're very well aware of, but I
9 think the report was New York Academy of Sciences.

10 Q. Were there any criticisms at Viola other
11 than indicated from Maltoni with regard to the
12 concentration level of VC that you exposed to
13 scientific animals?

14 A. Yes, there are a wide range of criticisms
15 against it. First of all, he gave the impression of
16 the report that you only got tumors at 30,000 ppm,
17 which is untrue.

18 MR. LEVINSON: Who are you discussing
19 now?

20 Q. Viola, your other expert.

21 A. He's your boy.

22 That was the first thing. In fact, there
23 were tumors down to 500 ppm, and at one of the MCA
24 meetings there was reference to the fact that he got
25 tumors down to 250 ppm, I'm pretty sure that was

EPSTEIN - Direct/Hollingshead

1 right for Viola.

2 Anyway, there were tumors down to 500
3 ppm, then my major criticism, I think that was the
4 criticism, and also the influence that these are
5 very, very rare and unusual tumors that don't really
6 have much relevance to humans, which is one point,
7 but in general Viola's paper was important an
8 statement and the first clear cut statement on the
9 carcinogenicity of compound which had been in use by
10 Union Carbide since 1930 which had never been tested
11 before for carcinogenicities, had never been tested for
12 over a period of four decades.

13 In other words, it's the first
14 indication of carcinogenicity or compound that had
15 been wildly used in commerce and in spite of very
16 substantial evidence on toxicity, in spite of also
17 the findings of the unreported findings of liver
18 cancers or angiosarcomas in workers prior to 1970.

19 Q. Well, since my question dealt with
20 whether or not there were criticism levels to the
21 Viola for the way he conducted the study, I will move
22 to strike anything after that portion of the answer.

23 A. Of course, another criticism was these were
24 unrealistically high concentrations and I don't think
25 that's a bout of criticism.

EPSTEIN - Direct/Hollingshead

1 Q. Why not?

2 A. First of all, there were tumors going down to
3 500 ppm and later pointed out levels below that.

4 Q. On that paper?

5 Was there a subsequent paper by Viola?

6 A. I think it was MCA meeting, I can try and find
7 it for you.

8 Let me just go back, I have misspoken.
9 The 500 ppm that were in his data, in Viola's data,
10 there were tumors recognized at 500 ppm, also in his
11 paper ear tumors were noted at unspecified
12 concentrations below 500 ppm, they were unspecified.

13 Q. To your knowledge, and in your review of
14 the literature, when is the first time that there is
15 a report or study linking vinyl chloride with cancer?

16 A. In animals?

17 Q. Take whichever came first. If you wish
18 to take animals, I assume it's animals?

19 A. We will take what you like. The Viola data
20 with the first data in animals.

21 Q. What year was that again?

22 A. 1970, some four decades after the vinyl
23 chloride was manufactured.

24 Then as far as humans are concerned, we
25 have the following; we have a Worker's Compensation

EPSTEIN - Direct/Hollingshead

1 case, Mr. Parks, I think you recall that was a B.F.
2 Goodrich case that was a Worker's Compensation case
3 in about '65, which I believe was, he had
4 angiosarcoma, and then prior to 1970 there were
5 several angiosarcomas reported.

6 In fact, from '65 to '71 there were
7 eight cases so-called liver cancer reported in VC
8 workers, and many of these were rediagnosed as
9 angiosarcoma and that was including the Parks' case,
10 I referred to Park or Parkers.

11 Then in 1969 Maltoni found malignant
12 type cells in the sputum of VC workers, although this
13 wasn't reported until 1975, and then -- I'm sorry,
14 what was your date, 1977?

15 Q. No, I asked you when was the first
16 report in the literature with regards to a link
17 between vinyl chloride and cancer, you said Viola on
18 the animal studies.

19 A. Viola on the animals, then a series of cases
20 and then the Maltoni findings reported in '75, 1969
21 findings reported in '75.

22 Q. At the top of page four of your appendix
23 regarding PVC and VCM, you say, and I will exclude
24 the reference to the studies, you say, quote,
25 "Numerous reports have drawn attention to the

EPSTEIN - Direct/Hollingshead

1 development of chronic pulmonary disease in VC/PVC
2 workers. The factor characterizing most of these
3 reports is chronic exposure to PVC dust."

4 A. Yes.

5 Q. Is there a reference in those studies to
6 the particular form of PVC dust that you can recall?

7 A. Not that I recall, I don't think so.

8 Q. I asked you if it refreshed your
9 recollection, didn't they all refer to the dispersion
10 method of manufacturing this particular dust?

11 A. I don't recall.

12 Q. At the end of that paragraph you say,
13 quote, "The view was expressed that the PVC dust was
14 likely to concentrate in and damage the small
15 airways, besides also acting as the carrier for
16 carcinogenic VC monomer."

17 Whose view was being expressed in that
18 statement?

19 A. In particular Lilis and Miller, although, in
20 fact, subsequent studies much more clear cut
21 statement.

22 Q. So it's intended to refer to the study
23 in the preceding sentence?

24 A. Yes, at this stage with that reference
25 subsequent more detailed statements to that effect.

EPSTEIN - Direct/Hollingshead

1 Q. Towards the end of that page there's a
2 reference to the Infante studies of 1981. With
3 regard to that, my question is whether or not Infante
4 noted any laryngeal cancers in his review?

5 A. No.

6 Q. Is the follow from that therefore that
7 Infante did not conclude that the larynx was one of
8 the carcinogenicities in humans?

9 A. No, not at all.

10 Q. Why not?

11 A. Because it says he discussed a wide range of
12 sites, he said it was a multi-potent to which you can
13 get tumors in a very wide range of sites.

14 Q. Okay, there may be a question of
15 semantics, however, within the wide range of sites he
16 does not specifically refer to the larynx; is that
17 correct?

18 A. No, just occur in a very wide range of the
19 sites in the body. He just happened to review the
20 data on these particular sites and emphasize those
21 particular sites which are much more common than
22 larynx.

23 Q. I'm going to ask it again for a
24 different reason. I understand what you said but I
25 asked you in a negative and you said no, which makes

EPSTEIN - Direct/Hollingshead

1 it sound like a positive.

2 A. I'm sorry.

3 Q. So it is correct that he does not site
4 the larynx in particular?

5 A. As far as I recall he didn't. He discussed
6 respiratory tract cancers which could include larynx.

7 Q. With regard to the Tabershaw & Gaffey
8 study that's cited at the bottom of page 4 --

9 A. Yes.

10 Q. -- Where there is a discussion apparently
11 on cancers of the larynx, pharynx and digestive
12 tracts, what was the incidents reported in that
13 study? In other words, how many cancers of the
14 larynx, pharynx and digestive tracts were reported
15 out of how many individual studies, if you know?

16 A. Well, you'll find it's detailed for you in the
17 table, there were about eight and a half thousand in
18 the initial '74 study, there were about eight and a
19 half thousand workers with at least one year
20 exposure.

21 One of the problems in the study was
22 that about 15 percent of the workers of the cohort
23 who had the highest exposure levels were missing and
24 the authors recognized, in fact, that whatever they
25 found would underestimate risk and then they found an

EPSTEIN - Direct/Hollingshead

1 excessive respiratory tract cancers in workers
2 particularly with over five years employment and
3 particularly with high exposures, and for respiratory
4 tract cancers there was an elevated standardized
5 mortality ratio, and among these respiratory tract
6 cancers was a one extrinsic laryngeal cancer. And in
7 addition to that the authors also recognized the
8 multiple site cancers in experimental animals, so the
9 Tabershaw & Gaffey study was of interest that they
10 recognized multiple site cancers induced in workers
11 and experimental animals that was in the additional
12 study. In the subsequent study --

13 Q. Can I hold you there one second?

14 A. Sure.

15 Q. You say that's summarized. Just for the
16 record, would you say where that summary is?

17 A. In the more recent document entitled
18 Illustrative Literature On The Toxic And Carcinogenic
19 Effects Of VC/PVC In The Respiratory Tract Of Exposed
20 Workers.

21 Q. I think I heard you say Tabershaw &
22 Gaffey were looking at approximately 8500 workers?

23 A. Correct, I didn't say they were looking at
24 them in a sense, and in a sense they weren't because
25 1500, the data were missing on 1500.

RNW 2371

EPSTEIN - Direct/Hollingshead

1 Q. I'm sorry.

2 A. The total study was eight and a half thousand,
3 yes.

4 Q. And they were missing another 1500 of
5 the more heavily exposed?

6 A. Yes.

7 Q. But the study itself was 8500?

8 A. Yes.

9 Q. Out of that, how many laryngeal cancers
10 did they have?

11 A. One was noted there.

12 Q. What was the subsequent studies?

13 A. The subsequent was basically an extension of
14 the earlier one that's in 1975, an additional 700
15 workers were included and again they found an excess
16 of respiratory tract cancers and here they reported
17 an additional two laryngeal cancers and pharyngeal
18 cancer, so we have at least three laryngeal cancers
19 among this group.

20 So in other words, of in the first
21 Tabershaw & Gaffey study of 12 workers with
22 respiratory tract cancers there was one extrinsic
23 laryngeal cancer, in the subsequent study of a total
24 of -- in the total cohort of 37 respiratory tract
25 cancers there were two laryngeal cancers.

EPSTEIN - Direct/Hollingshead

1 Q. What is the incidents of laryngeal
2 cancer in the general population?

3 A. You asked me this before.

4 Q. I'm sorry. If I did, I forget.

5 A. 08.5 per 100,000.

6 Q. I'm sorry, you did say that, I even made
7 a note of it.

8 A. Yes. I'm sorry, I misled you now. That's, I
9 think, for white males, or is it for white males?
10 Perhaps it's for males. Yes, I misled you a second
11 time, it's for all males.

12 Q. Stop misleading me and give me the right
13 answer.

14 A. I'm trying. It's for all males, I'm sorry.
15 08.5 per 100,000 for all males.

16 Q. In the Tabershaw & Gaffey studies, were
17 these workers in the fabricating part of the
18 industry?

19 A. Well, to the best of my recollection, these
20 were VC/PVC industries, these were PVC industries,
21 they were based on about nine different companies, so
22 they were VC/PVC, to the best of my recollection.

23 Q. Which would mean, in your mind, they
24 were the fabricating end of the industry or can you
25 not tell?

EPSTEIN - Direct/Hollingshead

1 A. No, I think VC and PVC, in other words, it was
2 a mix of 19 different industries.

3 Q. Is there any indication in the studies
4 as to what the exposure levels were for the various
5 workers?

6 A. Similarly in the same way as Union Carbide and
7 ATC failed to monitor their work environment prior to
8 1974, the Tabershaw & Gaffey was based on industries
9 that similarly had failed to monitor and the exposure
10 was ranked on the basis of work practice and on
11 exposure deration as in terms of three levels, three
12 exposures, index one exposure, index two and exposure
13 index three, and exposure index three being the
14 highest exposure levels and the longest and excess
15 respiratory tract system cancers, which as you know
16 include laryngeal cancers, were found and those were
17 the highest exposure index.

18 Q. Are you aware of any study that's been
19 published that shows a connection between VC and lung
20 cancer?

21 A. Oh, yes.

22 Q. Can you tell me which?

23 A. You will find a nice little table on this.

24 Q. A new section which I have not seen?

25 A. Which you will be able to take home and show

EPSTEIN - Direct/Hollingshead

1 it to your experts and have some distinction in the
2 trial for me on December 15th.

3 Q. Are they summarized there, Dr. Epstein?

4 A. Beautifully.

5 Q. A simple yes would suffice.

6 A. Essentially it states whether VC or PVC and
7 there's three pages for you.

8 MR. LEVINSON: You're referring to
9 what?

10 Q. These all refer to the lung or
11 respiratory tract?

12 A. Respiratory tract and there's a similar kind
13 of table, of course, for experimental animals.

14 MR. LEVINSON: Those are all parts of
15 your record?

16 THE WITNESS: Oh, yes.

17 Q. Now, if I heard you correctly earlier in
18 your testimony, the larynx is considered by doctors
19 to be part of the respiratory tract?

20 A. Sure.

21 Q. Do any of the studies that are listed in
22 your beautifully prepared summary --

23 A. Well, thank you.

24 Q. -- Indicate or show a cancer of the
25 larynx in those studies?

RNW 2375

EPSTEIN - Direct/Hollingshead

1 A. Yes.

2 Q. Can you point that out to me?

3 A. We've just been discussing Tabershaw/Cooper?

4 Q. Tabershaw/Gaffey?

5 A. Yes.

6 Q. Is that the only one?

7 A. Those are three studies, yes. I am happy to
8 say that they're basically one study reported in
9 three different ways.

10 Q. Three studies by Tabershaw/Gaffey?

11 A. Tabershaw and Cooper and Tabershaw and Gaffey.

12 Q. Okay. In preparing the reference
13 portion of your report on VC and PVC?

14 A. Yes.

15 Q. And perhaps better stated, what I mean
16 is in preparing your actual report on this subject,
17 did you have occasion to review the paper by Richard
18 Doll?

19 A. Which one?

20 Q. That's the one in the Scandinavian
21 Journal Of Work And Environmental Health in 1988?

22 A. On what subject?

23 Q. A review of the epidemiologic study
24 relating to health effects of vinyl chloride
25 exposure?

EPSTEIN - Direct/Hollingshead

1 A. No, I'm not aware of that. Why don't you give
2 me the reference.

3 Q. I think I just did, I don't know the
4 exact --

5 A. Doll 1988?

6 Q. Scandinavian Journal Of Work And
7 Environmental Health.

8 A. And that's on VC and --

9 Q. It's VC and it's a review of
10 epidemiologic studies relating to the health effects
11 of vinyl chloride exposure.

12 A. Sure, I will take a look at it and chat to you
13 about it in December.

14 Q. Very good, I look forward to it.

15 MR. LEVINSON: Off the record.

16 (Discussion off the record.)

17 Q. Stay on that for a moment, if we can.

18 A. Sure.

19 Q. You indicated to me earlier that with
20 regard to your accumulation of literature on
21 different chemicals including PVC, it comes to your
22 attention and if it's on the subject you make a point
23 of it -- I'm sorry, you acknowledge it and perhaps
24 put it on the index cards?

25 A. As you've already made it clear, that's an

EPSTEIN - Direct/Hollingshead

1 imperfect process as Doll has been missed.

2 Q. My question really was, is it your
3 system and your procedure to make a note of any study
4 that comes out on the particular chemical, would you
5 only make a listing and a note of those studies that
6 you read and intend to at a later point in time rely
7 upon?

8 A. In general I would like to try to keep abreast
9 of most of the literature on toxic chemicals, but I
10 tend to exercise my interest somewhat selectively and
11 I tend to keep more details, bibliographies on
12 certain chemicals more so than others.

13 Q. Would you say you have an extensive
14 catalog with regard to VC and PVC?

15 A. I would say it's reasonable, but obviously not
16 complete.

17 MR. HOLLINGSHEAD: Off the record.

18 (Whereupon the above deposition
19 concluded at 3:15 p.m.)
20
21
22
23
24
25

EPSTEIN - Direct/Hollingshead

CERTIFICATE

I, CINDY L. NAGLE, a Notary Public and
Certified Shorthand Reporter of the State of New
Jersey, License No. XI01434 do hereby certify that
prior to the commencement of the examination DR.
SAMUEL EPSTEIN was duly sworn by me to testify the
truth, the whole truth, and nothing but the truth.

I DO FURTHER CERTIFY that the foregoing
is a true and accurate transcript of the testimony as
taken stenographically by and before me at the time,
place, and on the date hereinbefore set forth.

I DO FURTHER CERTIFY that I am neither a
relative nor employee nor attorney nor counsel of any
of the parties to this action, and that I am neither
a relative nor employee of such attorney or counsel,
and that I am not financially interested in the
action.


Notary Public of the State of New Jersey
My Commission expires March 29, 1994

RNW 2379

EPSTEIN - Direct/Hollingshead

I N D E X

WITNESS DIRECT
SAMUEL S. EPSTEIN, M.D.
BY MR. HOLLINGSHEAD 3

E X H I B I T S

EPSTEIN EXHIBITS:

EXHIBIT	EXHIBIT	PAGE
NUMBER	DESCRIPTION	
Epstein 1	Notice To Take Deposition	23
Epstein 2	Report	32
Epstein 3	Letter, dated 4/18/88	41
Epstein 4	Notebook	41