

1 STATE OF ALABAMA
2 IN THE CIRCUIT COURT OF CALHOUN COUNTY
3 BILLY R. NELSON, et al.,
4 Plaintiffs, CIVIL ACTION NUMBER
5 versus CV-99-502
6
7 MONSANTO COMPANY, n/k/a
8 PHARMACIA CORPORATION, a
9 Delaware Corporation,
10 SOLUTIA, INC., a Delaware
11 Corporation, and BLAKE
12 HAMILTON,
13 Defendants.
14 _____/

15 DEPOSITION OF GERALD MILLER

16 The deposition of GERALD MILLER was
17 taken before Deborah Salers Garrett, Certified
18 Shorthand Reporter, Registered Professional
19 Reporter, as Commissioner, commencing at 10:45
20 a.m. on May 18, 2001, by the Plaintiffs, at
21 the law offices of Lightfoot, Franklin &
22 White, Birmingham, Alabama, pursuant to the
23 stipulations set forth herein.

24

25

26 Regional Reporting Service, Inc.
27 755 Walnut Street
28 Gadsden, Alabama 35901-0755

1 A P P E A R A N C E S

2 For the Plaintiffs:

3 DONALD W. STEWART, Esq.
 1131 Leighton Avenue
 4 Anniston, Alabama 36201

5 For the Defendant:

6 WILLIAM S. COX, III, Esq.
 LIGHTFOOT, FRANKLIN & WHITE
 7 The Clark Building
 400 North 20th Street
 8 Birmingham, Alabama 35203

9

10

I N D E X

11		Page
12	Stipulations	3
13	Reporter's Certificate	63

14

E X A M I N A T I O N S

15	Witness: GERALD MILLER	Page
16	By Mr. Stewart	4

17

18 No exhibits were marked for identification,
 19 offered or attached as exhibits hereto.

20

21

22

23

24

1 S T I P U L A T I O N S

2 IT IS STIPULATED AND AGREED by the
3 parties, through their respective counsel,
4 that the deposition of GERALD MILLER may be
5 taken before Deborah Salers Garrett, CSR, RPR,
6 as Commissioner and Notary Public, Alabama at
7 Large, at Birmingham, Alabama, on May 18,
8 2001, at 10:45 a.m.

9 IT IS STIPULATED AND AGREED that the
10 signature to and reading of the deposition by
11 the witness is waived, the deposition to have
12 the same force and effect as if full
13 compliance were had with all laws and rules of
14 Court relating to the taking of depositions.

15 IT IS STIPULATED AND AGREED that it
16 shall not be necessary for any objections to
17 be made by counsel to any questions except as
18 to form or leading questions and that counsel
19 may make objections and assign grounds at the
20 time of trial or at the time said deposition
21 is offered in evidence or prior thereto.

22 IT IS STIPULATED AND AGREED that notice
23 of filing by the Commissioner is waived.

Kriegshauser Reporting & Video

1 STATE OF ALABAMA, BIRMINGHAM, MAY 18, 2001

2

3 GERALD MILLER,

4 after having been first duly sworn, was

5 examined and testified as follows:

6

7 EXAMINATION

8 BY MR. STEWART:

9 Q. State your name, please, sir.

10 A. Gerald W. Miller.

11 Q. And Mr. Miller, can you just give me a
12 brief idea of your educational
13 background?

14 A. I have two degrees from Mississippi
15 State University, a BS in chemistry and
16 a master's degree in chemistry.

17 Q. And what about your work history? Can
18 you give me some idea of that?

19 A. Immediately after the BS degree, I
20 worked for a company called Baroid in
21 Houston, Texas, for about a year and a
22 half. I went back and finished the
23 master's degree and accepted employment

Kriegshauser Reporting & Video

1 with a company called Monsanto Company
2 in Anniston, Alabama. I worked there
3 about seven or eight years. I started
4 my own business, and I have been
5 self-employed since.

6 Q. And your business has to do with
7 analysis of soil samples and things like
8 that?

9 A. One of my businesses does.

10 Q. What's the name of that business?

11 A. Guardian Systems.

12 Q. What are some of the things you do at
13 Guardian Systems?

14 A. Just commercial analytical chemistry on
15 demand for various clients.

16 Q. Would that be manufacturers of products
17 or soil analysis from time to time?

18 A. We have done soil analysis.

19 Q. And have you worked with PCBs at any
20 point in time while you were at
21 Guardian?

22 A. Yes.

23 Q. Okay. Can you tell us those clients you

1 have done work for in the PCB area while
2 you were at Guardian?
3 A. No, sir.
4 Q. Why is that, because of the --
5 A. Because we don't divulge what we do for
6 our clients or who they are.
7 Q. But you have done PCB work?
8 A. Yes.
9 Q. What kinds of work have you done?
10 A. Analysis.
11 Q. Would that be soil analysis?
12 A. Could be.
13 Q. Water?
14 A. Could be.
15 Q. Air?
16 A. Don't remember any air analysis.
17 Q. Have you done any work for Monsanto as
18 Guardian Systems with PCBs?
19 A. No.
20 Q. Have you done any work for individuals
21 or companies that had actions against
22 Monsanto in connection with PCB
23 contamination in Anniston?

Kriegshauser Reporting & Video

- 1 A. Not to my knowledge.
- 2 Q. Was that PCB work that you did for
3 manufacturers or people who produced
4 products that used PCBs, or was it for
5 persons who owned property that might
6 have been affected by PCBs?
- 7 A. I don't know.
- 8 Q. Who would have done that work in your
9 company, somebody other than you?
- 10 A. Yes.
- 11 Q. Who would that have been?
- 12 A. Somebody in the laboratory group, in the
13 organic analysis group.
- 14 Q. Okay. Who more than likely would have
15 done that work?
- 16 A. It would have been done under the
17 direction of the laboratory manager,
18 Jerry Horncastle.
- 19 Q. Can you give me a time frame of when
20 that was done?
- 21 A. Various points in time over the last ten
22 or fifteen years, twenty years.
- 23 Q. All right. Can you give me an idea --

1 I'm not asking for the name of the
2 business, but the nature of the
3 business, the reason they made an
4 inquiry to you about PCBs.

5 A. Generally our customers bring samples to
6 us and request what analyses they would
7 like to have done. Most frequently we
8 have no idea why they want them done.

9 Q. When you say samples, you are talking
10 about soil samples or water samples?

11 A. Soil, water, water or soil.

12 Q. So typically what you have done for
13 those customers where PCBs were involved
14 is analyze water or soil to determine
15 the levels of PCBs in the soil?

16 A. That would be correct.

17 Q. What processes do you use to analyze
18 that?

19 A. Gas chromatography.

20 Q. How long have you used that gas
21 chromatography at Guardian to analyze
22 that?

23 A. Probably started in the late '70s, early

1 '80s.

2 Q. Did you use -- Did you have anything
3 else to measure or make a definitive
4 measure of the presence of PCBs in the
5 soil or water before that time --

6 MR. COX: Object to the form.

7 Q. -- before you started using that gas
8 chromatography?

9 A. Not that I'm aware of.

10 Q. Mr. Miller, when you went to work with
11 the Monsanto plant in Anniston, what did
12 you go to work doing?

13 A. Chemist in the laboratory.

14 Q. As a chemist in the laboratory, did you
15 have any part to play at that time in --
16 By the way, when was that? You may have
17 given me the date.

18 A. '64 or '65.

19 Q. Did you deal specifically with product
20 at that time? Is that what you were
21 testing in '64 and '65?

22 A. Yeah.

23 Q. Were you testing the PCBs or Aroclor

1 products at that time?

2 A. I was involved with it, yes.

3 Q. And what tests were you performing on
4 that product at that time? What were
5 you looking for?

6 A. Mostly physical and electrical
7 characteristics.

8 Q. What physical and electrical
9 characteristics were you looking for?

10 A. Physical characteristics would have been
11 color, specific gravity, refractive
12 index.

13 Q. Explain to me as a lay person what you
14 mean by that, the last thing you said.

15 A. Refractive index?

16 Q. Yeah. Can you put that in lay terms so
17 I can understand it? I'm not a chemist
18 and will admit that. Can you tell me
19 what that means so a jury can understand
20 it?

21 A. Every liquid has a refractive index, and
22 it is the amount that light passing
23 through a thin layer of material is

1 refracted by a certain angle. And that
2 angle is the refractive index.

3 Q. Which would tell you what about that
4 product?

5 A. It is indicative of density.

6 Q. And what did the color tell you about
7 the product?

8 A. What the color was.

9 Q. I mean, why would you want to know the
10 color of say PCBs in Aroclor products?

11 A. It was a specification of the products.

12 Q. So it had to be a certain color?

13 A. Right.

14 Q. Did that have something to do with the
15 quality of the product, either one of
16 those two things?

17 A. Color probably had something to do with
18 the quality of the product.

19 Q. What?

20 A. Purity.

21 Q. All right. Tell me if you can what --
22 if you recall, what you were looking for
23 in terms of color to tell you about the

- 1 purity.
- 2 A. Best I remember, the product was
- 3 supposed to be water white, crystal
- 4 clear. And you compared it against some
- 5 standard color scale, and if it was less
- 6 than some value on that color scale it
- 7 was an acceptable product.
- 8 Q. What did you do with a product that
- 9 didn't meet those standards or tests
- 10 that you just told me about?
- 11 A. At this point in time I didn't do
- 12 anything with them. I just reported the
- 13 results.
- 14 Q. What did the company do with them?
- 15 A. I don't know.
- 16 Q. If it turned out the product didn't
- 17 match the color or refractive index that
- 18 you were talking about earlier, you just
- 19 informed somebody above you that it did
- 20 not?
- 21 A. Correct.
- 22 Q. Who was that that you informed?
- 23 A. Production supervision. I don't

- 1 remember names.
- 2 Q. Was Mr. Landwehr over your department at
- 3 the time you started there?
- 4 A. No.
- 5 Q. Was he over the plant?
- 6 A. No. He was not there when -- Wait a
- 7 minute. Hang on a minute. I'm trying
- 8 to remember names. No, Joe Landwehr was
- 9 not there.
- 10 Q. Who was your supervisor?
- 11 A. The chief chemist, whose names escapes
- 12 me.
- 13 Q. Was it Toby Bell?
- 14 A. No. Owen, Dolen?
- 15 Q. Okay. So you would report that to the
- 16 chief chemist?
- 17 A. Yes.
- 18 Q. And he would report to somebody in
- 19 production?
- 20 A. Uh-huh (indicating yes).
- 21 MR. COX: You need to answer out
- 22 loud so she can take it down.
- 23 Q. You mentioned some other tests y'all

- 1 would make of the product itself.
- 2 A. Electrical tests.
- 3 Q. How was that performed, and what was
- 4 that supposed to show?
- 5 A. The purity, again.
- 6 Q. How was it performed?
- 7 A. The results that you were producing were
- 8 called resistivity and dielectric
- 9 coefficient. In essence an implied
- 10 voltage was impressed in a cell
- 11 containing the material, and the
- 12 equipment would give you the dielectric
- 13 constant resistivity.
- 14 Q. Can you break that down in lay terms so
- 15 a jury would understand it or I would
- 16 understand it?
- 17 A. Dielectric constant, I can't give you
- 18 any information on that. I don't
- 19 understand it myself. But resistivity
- 20 is a measure of the resistance to flow
- 21 of electricity.
- 22 Q. This was used for insulating purposes?
- 23 The material you were producing was used

- 1 for insulating purposes in transformers?
- 2 A. That's my understanding.
- 3 Q. So you were trying to determine whether
- 4 or not it would meet the standards that
- 5 would be required for the product in
- 6 those kinds of equipment; is that
- 7 correct?
- 8 A. Yes.
- 9 Q. What happened if it did not do that?
- 10 Did you report that also to your boss
- 11 and then report it to --
- 12 A. Correct.
- 13 Q. What kinds of impurities were you all
- 14 looking for that would be present in the
- 15 product of PCBs that you produced at
- 16 that time?
- 17 A. Probably the main impurity would be
- 18 moisture content.
- 19 Q. Moisture? Was there not some time at
- 20 the Anniston plant, Mr. Miller, that you
- 21 all looked for the presence in Aroclor
- 22 products of dibenzofuran?
- 23 A. Not to my knowledge.

1 Q. Not during the seven years that you were
2 there?

3 A. If we did, I don't remember it.

4 Q. So that I'm clear about that, your
5 statement to me here today is that you
6 don't remember any tests that were
7 performed in the plant there to
8 determine whether or not dibenzofurans
9 were present in the product?

10 A. Not to my knowledge.

11 Q. And by product, I'm talking about of
12 course PCB.

13 A. Yes.

14 Q. Let me get a little history of your
15 positions. Did you become chief chemist
16 at some point in time?

17 A. Yes.

18 Q. When was that?

19 A. I'm guessing '66, '67, somewhere along
20 in there.

21 Q. Now, as chief chemist in the plant if
22 tests were performed to determine the
23 presence of dibenzofurans in PCBs, would

- 1 you have performed that test?
- 2 A. Not to my knowledge.
- 3 Q. Not to your knowledge?
- 4 A. No, sir.
- 5 Q. But if they had been performed to
- 6 determine whether or not they were
- 7 present in PCBs, would you have done
- 8 that -- that were produced at the
- 9 Anniston plant, would you have done
- 10 that?
- 11 MR. COX: Object to the form.
- 12 A. To be honest with you, I don't know. I
- 13 don't remember having done it.
- 14 Somewhere in this point in time there
- 15 was an R and D group set up that was
- 16 separate from the QC lab. It may have
- 17 been done there.
- 18 Q. Now, tell me about the R and D group
- 19 that was set up. Was that at the
- 20 Anniston plant?
- 21 A. Yeah.
- 22 Q. Who headed that up?
- 23 A. I don't remember the fellow's name.

- 1 Q. Where was he from?
- 2 A. I want to say Virginia.
- 3 Q. Was he a Monsanto employee?
- 4 A. Yes.
- 5 Q. And was that set up in some kind of
- 6 mobile facility outside the plant, or
- 7 was it inside?
- 8 A. It was inside the plant.
- 9 Q. Inside. And what equipment did he use
- 10 to do his work?
- 11 A. I remember him doing a lot of
- 12 distillation work.
- 13 Q. Tell us what you mean by that.
- 14 A. Many compounds are purified by boiling
- 15 them from one container into another
- 16 container. That is a process of
- 17 vaporization and condensation called
- 18 distillation.
- 19 Q. Okay. So he did distillation work with
- 20 what product?
- 21 A. I'm sure he used Aroclors in some of his
- 22 work.
- 23 Q. Was he there to do that with Aroclors?

- 1 A. I think, yes.
- 2 Q. Okay. And who sent him there, if you
3 remember or recall, to do that work with
4 the Aroclors?
- 5 A. I don't know.
- 6 Q. Was that somebody from Monsanto's office
7 in St. Louis?
- 8 A. I don't know.
- 9 Q. Well, who informed you that Mr. --
10 whatever this man's name was from
11 Virginia was going to be doing R and D
12 work there in Anniston?
- 13 A. Probably the -- Probably my boss.
- 14 Q. Mr. Olen?
- 15 A. No. He is gone by now. Luke
16 Fuhrmeister.
- 17 Q. Luke Fuhrmeister?
- 18 A. Uh-huh (indicating yes).
- 19 Q. And what did Mr. Fuhrmeister say to you
20 about the work that this guy was going
21 to do?
- 22 A. I don't remember what he said.
- 23 Q. Well, do you remember the substance of

1 it?

2 A. Distillation work.

3 Q. Distillation work?

4 A. Uh-huh (indicating yes).

5 Q. And that work would be done in the lab

6 that you ran there?

7 A. No. It was not part of my lab.

8 Q. Oh, it was a separate lab inside the

9 plant?

10 A. Yes.

11 Q. What other equipment did they have

12 there?

13 MR. COX: I'm sorry, Donald. In

14 this separate facility or in

15 his lab?

16 MR. STEWART: In his lab.

17 MR. COX: I was confused.

18 MR. STEWART: I don't want to

19 confuse you, Buddy.

20 MR. COX: It won't be the first

21 time.

22 A. Equipment related to Aroclors?

23 Q. I would assume so. That is what I'm

1 asking about. But I would like to know
2 generally what equipment you had there
3 to measure the kinds of things you were
4 talking about.

5 A. Let's see. We had some gas
6 chromatographs in the lab.

7 Q. Did they have those at the time that you
8 went there in '64?

9 A. Yes.

10 Q. What did you use those for?

11 A. They were primarily used for control of
12 plant processes and the agricultural
13 products that were being produced at
14 that time.

15 Q. Did you use them with Aroclors?

16 A. There was one machine there. I don't
17 remember if it was there when I got
18 there or came shortly thereafter that
19 had been sent, to the best of my
20 understanding, to the plant from St.
21 Louis to look at the isomers present in
22 Aroclors.

23 Q. Gas chromatograph?

1 A. Yes.

2 Q. Did Mr. -- whatever this gentleman's
3 name was from Virginia have access to
4 that equipment, that gas chromatograph?

5 A. I'm sure he did, yes.

6 Q. Did he use it?

7 A. I don't remember.

8 Q. Did y'all use that to some extent -- the
9 one that was designated for PCBs, did
10 y'all use that from time to time with
11 other product?

12 A. Again, I don't remember.

13 (A break was taken.)

14 Q. You were telling me that y'all -- I was
15 asking you I believe if you used that
16 gas chromatograph piece of machine,
17 equipment, to test other product other
18 than Aroclors, the one there.

19 A. I don't remember, but I'm suspicious we
20 probably did.

21 Q. Okay. What other equipment did you have
22 there?

23 A. Analytical balances. There was an old

1 infrared spectrometer -- excuse me,
2 ultraviolet spectrometer.
3 Q. Analytical what?
4 A. Balances.
5 Q. And then --
6 A. An ultraviolet spectrometer.
7 Q. Did you ever use either one of those on
8 the Aroclor tests you performed?
9 A. You would use the balances.
10 Q. How was that used?
11 A. Just the weight.
12 Q. Just the weight of the products?
13 A. Yes.
14 Q. And I assume you had the distillation
15 equipment that you mentioned earlier in
16 the lab.
17 A. There was some rudimentary distillation
18 equipment in the laboratory, yes.
19 Q. Did this person from Virginia that
20 Monsanto sent down to do the R and D
21 work -- did he bring with him a little
22 more sophisticated type of equipment?
23 A. Yes.

1 Q. Did they basically equip him a lab? Is
2 that what they did?

3 A. In essence, yes.

4 Q. You may have already said, Mr. Miller,
5 but what was the date that he came down
6 here? Do you remember the year?

7 A. No.

8 Q. And all you were told by your
9 supervisor, Mr. Fuhrmeister, was that he
10 was going to come down and set up --

11 A. Uh-huh (indicating yes).

12 MR. COX: Let him finish his
13 question.

14 Q. Did he reveal any of that -- the person
15 who was doing the work, reveal any of
16 the reasons why he was there or what he
17 was doing there to you during his stay
18 there?

19 A. I'm sure we discussed what he was doing.

20 Q. What is your recollection of those
21 discussions?

22 A. I have no recollection.

23 Q. When exactly did you leave the plant?

- 1 A. 1971.
- 2 Q. What part of '71?
- 3 A. I don't remember. Probably in the
- 4 spring.
- 5 Q. Of '71?
- 6 A. Uh-huh (indicating yes).
- 7 Q. Now, at some point in time during your
- 8 time there with Monsanto, did you have
- 9 some people come from Japan?
- 10 A. Yes.
- 11 Q. When did they come to the plant?
- 12 A. I'm sorry. I didn't hear.
- 13 Q. When did they come to the plant?
- 14 A. I'm going to speculate it was in the
- 15 late '60s.
- 16 Q. Late '60s?
- 17 A. Yes.
- 18 Q. And they worked with you in the lab?
- 19 A. They had come to be trained, and they
- 20 visited in the lab, yes.
- 21 Q. They had come to be trained for what
- 22 purpose?
- 23 A. Best memory serves me, they were being

- 1 trained to understand how to produce
2 biphenyl.
- 3 Q. Were they Monsanto employees?
- 4 A. Again, I don't remember.
- 5 Q. How long did they stay at the plant?
- 6 A. A couple of months.
- 7 Q. So they were there to learn how to
8 produce biphenyl?
- 9 A. Biphenyl.
- 10 Q. Which would be used in Aroclor
11 production?
- 12 A. Yes.
- 13 Q. How many were there that came?
- 14 A. I believe there were two.
- 15 Q. What did they do with you in the lab?
- 16 A. I'm reasonably sure they went through
17 the quality control procedure for the
18 product biphenyl.
- 19 Q. Now, who told you that those folks would
20 be working with you?
- 21 A. Here again, I assume it was Luke
22 Fuhrmeister.
- 23 Q. What was his position?

- 1 A. He was the technical services manager.
- 2 Q. Okay. And where was he located?
- 3 A. At the plant facility in Anniston.
- 4 Q. In Anniston?
- 5 A. Yes.
- 6 Q. Weren't there some studies done by that
- 7 particular division in connection with
- 8 -- that had to do with the purity of
- 9 product, whether or not that product had
- 10 dibenzofurans in it during that period
- 11 of time?
- 12 A. The product biphenyl?
- 13 Q. No, Aroclors. You mentioned the
- 14 technical services --
- 15 A. Uh-huh (indicating yes).
- 16 Q. -- portion of the plant that was headed
- 17 by Mr. Fuhrmeister. Were there not some
- 18 studies put together at that time to
- 19 determine whether or not there were some
- 20 impurities present?
- 21 A. I don't remember.
- 22 Q. What did these gentlemen from Japan do
- 23 in connection with the work they were

- 1 involved in at the lab?
- 2 A. Here again, my memory is that they had
- 3 come to be trained, and they were
- 4 trained as to how to -- from my
- 5 standpoint, how to do the QA/QC testing.
- 6 Q. Well, I guess what I'm looking for --
- 7 And it may be that you don't remember or
- 8 you don't recall it. But had they --
- 9 Let me see if I can get at it this way.
- 10 Had they been involved in the production
- 11 of biphenyl before they came to this
- 12 plant, or do you know?
- 13 A. I don't know.
- 14 Q. You don't remember whether they were or
- 15 not?
- 16 A. No, sir.
- 17 Q. Were you familiar at that time,
- 18 Mr. Miller, with some problems that
- 19 might have been involved in either the
- 20 production of or the use of a PCB type
- 21 product in Japan?
- 22 A. No.
- 23 Q. When is it that you first became

- 1 familiar with that particular matter?
- 2 A. When you mentioned it just now.
- 3 Q. You didn't know --
- 4 A. I never heard anything about a problem
- 5 in Japan.
- 6 Q. Never have had any knowledge of that
- 7 particular problem?
- 8 A. I'm totally unaware of any problem in
- 9 Japan relative to what we are discussing
- 10 here today.
- 11 Q. And you weren't aware of that at the
- 12 time you were working at the Anniston
- 13 plant in connection with testing
- 14 Aroclors and analyzing Aroclors?
- 15 A. Was not aware of what?
- 16 Q. A problem that had occurred in Japan
- 17 with some PCB type products.
- 18 A. I'm totally unaware of any problems that
- 19 occurred in Japan, period.
- 20 Q. Well, were you aware of some concerns
- 21 about the product or concerns when you
- 22 worked there?
- 23 MR. COX: Object to the form.

- 1 Q. Did you become aware of some concerns
2 about the products?
- 3 A. Later in the years, yes, sir.
- 4 Q. That would have been about the time the
5 folks from Japan were there? You said
6 it was the late '60s. You left in '71?
- 7 A. '71, might have been '72. I don't
8 remember exactly when I left. Could
9 have been the spring of '72.
- 10 Q. So were you aware or made aware of some
11 problems that there might have been with
12 the product at the time you were there?
- 13 A. Yes.
- 14 Q. Who made you aware of that?
- 15 A. I don't remember who first made me aware
16 of it. But correspondence through the
17 technical services management and
18 contact with the research department in
19 St. Louis.
- 20 Q. Who was your contact in the technical
21 service department in St. Louis?
- 22 A. The only name I remember was a fellow
23 named Ralph Munch.

- 1 Q. And would it have been with Mr. Munch
2 that you discussed these problems?
- 3 A. It could very well have been.
- 4 Q. And what was the substance of the
5 conversations with Mr. Munch or whoever
6 it was you talked to at the research
7 department about the problems with PCBs?
- 8 A. The essence of it was that northern
9 Europeans -- I have forgotten exactly
10 which country -- had determined that the
11 ghost peaks, as they were referred to in
12 the literature, that frequently showed
13 up in chromatograms, high sensitivity
14 chromatograms I might add, had been
15 identified to be polychlorinated
16 biphenyls.
- 17 Q. Why was that of concern?
- 18 A. Because Aroclor is a polychlorinated
19 biphenyl.
- 20 Q. Why would the presence of these ghost
21 peaks of PCBs have been a concern on the
22 part of people in the northern area?
- 23 A. Simply because it showed up when the

1 analyses were being performed for DDT.

2 Q. Was there some concern about DDT at that

3 time, about the dangers of DDT?

4 A. I don't remember. I'm sure there were.

5 I don't know if it was at that time or

6 later or before.

7 Q. Did Mr. Munch or anybody else with

8 research tell you what the concerns

9 were?

10 A. No.

11 Q. Just that these ghost peaks had shown

12 up; is that right?

13 A. That's correct.

14 Q. And what did you all do at this Anniston

15 plant in response to that?

16 A. There was an off-site laboratory

17 established.

18 Q. Is that different from this R and D lab?

19 A. Yes.

20 Q. Okay. So it is not the one that the

21 fellow ran from Virginia?

22 A. No. There was an off-site lab

23 established and equipped with the right

1 kinds of analytical instrumentation.

2 Q. Which was what?

3 A. In that case I believe it was electronic
4 capture gas chromatography.

5 Q. Now, was that something that had been on
6 the market for a while?

7 A. No.

8 Q. When was it first used, to your
9 knowledge, in the fields that you are
10 involved in?

11 A. I don't know. I know it was very new
12 technology.

13 Q. When do you recall this off-site lab
14 being put in place?

15 A. Here again, in the late '60s.

16 Q. And what was that particular device and
17 that lab -- What did it do? What was
18 it --

19 A. The laboratory generally was set up to
20 analyze samples for PCB content.

21 Q. Samples of what?

22 A. I know that they looked at a lot of
23 water samples.

- 1 Q. From where?
- 2 A. Insides the plant, and I'm sure there
3 were quite a few from outside the plant.
- 4 Q. Okay. Where inside the plant if you
5 know did they take the water samples?
- 6 A. I don't know.
- 7 Q. Just at various places throughout the
8 plant facility?
- 9 A. I would suspicion that is what they did.
- 10 Q. And were you made privy to the results
11 of those --
- 12 A. No.
- 13 Q. Why? Weren't you chief chemist at this
14 time?
- 15 A. No. I don't think I was chief chemist
16 at this time. I think I had moved into
17 production at this time.
- 18 Q. Moved into production?
- 19 A. Yes.
- 20 Q. When did you do that?
- 21 A. About '69 or '70.
- 22 Q. And the lab was moved in there in '69 or
23 '70, this off-site lab?

1 A. I don't remember. I think that's about
2 when it was.

3 Q. So you weren't working in the lab any
4 more?

5 A. Correct.

6 Q. Weren't you responsible for the Aroclor
7 production at that time?

8 A. Yes, sir.

9 Q. And they didn't say anything to you
10 about what was happening as far as
11 the -- or the results they were getting
12 on these water samples from the plant?

13 A. I'm sure I got some information from
14 them about what was going on. I don't
15 remember being reported to specifically
16 as to what the analytical results were.

17 Q. Were they concerned about the
18 off-migration of PCBs into the water?
19 Is that what they were concerned about?

20 A. I would be highly suspicious of that,
21 yes.

22 Q. That is what you think they were looking
23 for?

- 1 A. Sure.
- 2 Q. What other kind of samples did they take
3 at that time which were analyzed in this
4 lab?
- 5 A. I don't know.
- 6 Q. Were soil samples taken?
- 7 A. I don't remember. I wouldn't say that
8 there are or not. I don't know.
- 9 Q. You do know there were water samples
10 taken?
- 11 A. I do know that there were water samples
12 taken.
- 13 Q. What about air samples?
- 14 A. I don't remember any or don't have any
15 knowledge.
- 16 Q. In view of the fact that you were
17 involved in the production and had been
18 chief chemist there at the plant, why is
19 it if you know they didn't let you know
20 what was happening as far as the
21 off-migration of PCBs?
- 22 MR. COX: Object to the form.
- 23 A. I'm not saying they didn't let me now.

- 1 I'm sure I got some of that information,
2 but I was not the focal point of the
3 information.
- 4 Q. Who would have been?
- 5 A. Here again I assume it would be the
6 technical services manager.
- 7 Q. Mr. Fuhrmeister?
- 8 A. I think by now Mr. Fuhrmeister is gone
9 and Mr. Landwehr.
- 10 Q. Joe Landwehr?
- 11 A. Yes.
- 12 Q. Did he ever tell you to do anything
13 different in the production because of
14 the findings of this off-site R and D
15 group?
- 16 A. Reporting responsibilities at that point
17 in time were not to Mr. Landwehr. I was
18 reporting to a production
19 superintendent.
- 20 Q. Do you remember who that was?
- 21 A. I'm trying. A single fellow, heavy set.
22 I can't remember the guy's name.
- 23 Q. But did he tell you to do anything

1 different than what you had been doing?

2 A. There was an effort underway during the
3 tenure of my being in production to
4 reduce, curtail, and minimize PCBs
5 leaving that site.

6 Q. What was that effort? What was done?

7 A. Tighten things up around production.

8 Q. How?

9 A. Making sure that there were no leaks in
10 anything, increased awareness to spill
11 containment of any kind. And about that
12 same period of time is when the
13 rearrangement of the discharge system in
14 the front of the plant that is on the
15 east side of the plant was undertaken to
16 expand and enlarge the neutralization
17 and sedimentation facilities that water
18 from the Aroclor production area exited
19 the plant through.

20 Q. Where did that water eventually wind up
21 that exited the plant through that pit?

22 A. Snow Creek.

23 Q. To your knowledge did this off-site

- 1 lab -- Were they in a mobile building --
- 2 A. No.
- 3 Q. -- on the premises? Where were they?
- 4 A. They were in a small block building.
- 5 Wilmer is one block east of Quintard?
- 6 Q. Yes.
- 7 A. On south Wilmer Street.
- 8 Q. On south Wilmer?
- 9 A. Yes.
- 10 Q. How many employees did they have there?
- 11 A. I think there was one, maybe two people
- 12 that worked there.
- 13 Q. Were they the people who were
- 14 responsible for doing the tests of the
- 15 water or whatever it was they tested?
- 16 A. Yes.
- 17 Q. How else did PCBs leave the reservation,
- 18 leave the plant site?
- 19 A. Well, product naturally left in either
- 20 tank cars or drums.
- 21 Q. I'm not talking about the product
- 22 itself.
- 23 A. I know.

- 1 Q. I'm talking about the --
- 2 A. I'm sure some left in muriatic acid that
- 3 was produced.
- 4 Q. Where did that go?
- 5 A. It is my understanding -- not my direct
- 6 knowledge -- that that was used to --
- 7 some process in corn processing, making
- 8 sweeteners out of corn.
- 9 Q. Where did the waste stream go? When it
- 10 left the plant, where did it go?
- 11 A. It is my understanding, as I have
- 12 explained, that it all exited out the
- 13 east side of the plant in Snow Creek.
- 14 Q. Okay. What did you all do in connection
- 15 with the workers that worked there?
- 16 What kind of safety precautions did you
- 17 take with regard to Aroclor production?
- 18 A. The main consideration for workers in
- 19 the production area was against thermal
- 20 injury for burns, because the process
- 21 was a very high-temperature process.
- 22 The other things I can remember was
- 23 respiratory protection from exposure to

1 chlorine gas or hydrogen chlorine gas.

2 Q. Anything else?

3 A. Don't remember anything else other than

4 routine safety procedures that applied

5 to the entire plant.

6 Q. Did y'all provide them with rubber

7 shoes?

8 A. Rubber shoes?

9 Q. Uh-huh (indicating yes).

10 A. I don't remember rubber shoes per se. I

11 know they were provided with work

12 clothes and shoes and hats and glasses.

13 I don't remember if they were rubber or

14 not.

15 Q. Did you provide them with rubber gloves?

16 A. Again, I remember gloves. I don't

17 remember if they were rubber or not.

18 Q. What happened to the gloves at the end

19 of the day? Were they disposed of?

20 A. It seems to me each employee had a

21 locker in the operating floor where they

22 put their operating equipment at the end

23 of the day and came back the next day

- 1 and used it again.
- 2 Q. What about the clothing?
- 3 A. There was a bathhouse at the employee
- 4 entrance. And as to whether or not
- 5 these gentlemen took their clothing off
- 6 and took a shower and changed clothes
- 7 before they left, I don't know. But the
- 8 opportunity was there.
- 9 Q. So you are saying whatever they did with
- 10 the clothing you provided them was up to
- 11 them to do? The company did not wash
- 12 the clothing and provide clothing for
- 13 them every day?
- 14 A. The company provided work uniforms to
- 15 them.
- 16 Q. Would they wear it more than one day, or
- 17 could they?
- 18 A. I don't know.
- 19 Q. But you don't recall a process where
- 20 y'all provided them with clean clothing
- 21 every day?
- 22 A. I don't recall anything about their
- 23 clothing other than they were issued

1 company work uniforms.

2 Q. How often were they provided that, once
3 a week?

4 A. I don't know.

5 Q. As you sit here today, do you recall a
6 process where they might have been
7 provided clean clothing every day, the
8 operators?

9 A. I don't know.

10 Q. Now, the effort that was underway that
11 you say was started in the technical
12 services, did that have anything to do
13 with waste product other than this water
14 that you have talked about that you all
15 attempted to curtail, I assume by
16 enlarging that pit out front? Is that
17 what you did?

18 A. Well, I know the pit was a single pit
19 and was made into a dual facility, side
20 by side.

21 Q. But other than that, where did the other
22 waste go?

23 A. It went to a landfill.

1 Q. And how was it taken to the landfill?

2 A. Here again if my memory serves, it was
3 drummed out, picked up on a bobtail
4 truck and carried to the landfill.

5 Q. All right. Tell me what form that was
6 in at the time that it came off the
7 process. What did it consist of?

8 MR. COX: The material that was
9 drummed and landfilled?

10 MR. STEWART: That's what I'm
11 talking about.

12 MR. COX: I just wanted to make
13 sure.

14 A. I'll say that waste was almost
15 exclusively still bottoms, period.

16 Q. What was the -- Did y'all have the --
17 guidelines at that time that would tell
18 you as production manager of the
19 Aroclors what kind of waste product you
20 would expect to produce off a batch?

21 A. I'm sure there were some guidelines as
22 to what you could anticipate.

23 Q. Do you recall those?

1 A. No.

2 Q. Do you recall any kind of percentage as
3 to what you would expect to find at the
4 bottom of the vat at the end of the
5 process that would be waste?

6 A. No.

7 Q. Was there a calculation that was known
8 to people in production at the time as
9 to what would be -- would wind up waste
10 product? Other employees have said
11 there was such a calculation. I'm just
12 wondering if you remember.

13 A. The only thing I remember is in the
14 distillation process, you continued the
15 distillation until you reached a certain
16 temperature at a certain pressure. At
17 that point the distillation was over,
18 and what remained in the steel kettle
19 was waste.

20 Q. What I'm saying is for a given batch of
21 PCBs was there a calculation that you
22 could generally expect or that would
23 tell you what to generally expect for

1 waste?

2 A. I'm not aware of any calculation. The
3 information that was available probably
4 appeared from having produced that
5 product for years.

6 Q. But there was a way of calculating the
7 waste product or y'all knew pretty well
8 what the waste product would be for a
9 given period of production?

10 A. I presume. I don't know.

11 Q. So you took that, the still bottoms, and
12 drummed it? Was the landfill south of
13 202 present there?

14 A. The only thing I remember about the
15 landfill before the highway department
16 rearranged that part of the world over
17 there was that it was across old 202 to
18 the south of the main entrance to the
19 plant and on the east side of old 202.

20 Q. Was that a former pit or land type mine?

21 A. I only went there once that I can
22 remember, and I remember being up on a
23 high bluff overlooking a ravine, pit

1 area.

2 Q. And how is it -- They just put the drums
3 over in there?

4 A. That's correct.

5 Q. Were these steel drums?

6 A. Yes, they were steel drums.

7 Q. Was there any point in time to your
8 knowledge that the still bottoms were
9 put in there without being put in a
10 steel drum?

11 A. Not to my knowledge.

12 Q. Now, once they got the steel drums, what
13 if anything did they do to cover them?
14 Did they just keep putting them in
15 there? Is that what they did?

16 A. I guess. I don't remember.

17 Q. Now, what testing if any did you do as
18 the chief chemist around that landfill
19 there?

20 A. I don't remember any.

21 Q. Do you know of any tests that were
22 performed around the landfill site by
23 the guy from Virginia who came down to

- 1 do the R and D work or by this off-site
2 lab?
- 3 A. I don't have personal knowledge of any.
- 4 Q. Do you have secondhand knowledge of the
5 fact they were doing some testing around
6 those sites, that landfill site?
- 7 A. I know they were doing testing around
8 the plant area.
- 9 Q. Did that include, not from your personal
10 knowledge but say secondhand knowledge,
11 around that landfill area?
- 12 A. The landfill was in the plant area, so
13 one might assume.
- 14 Q. And that would have been soil samples as
15 opposed to water samples?
- 16 A. I don't know.
- 17 Q. Now, at any point in time during the
18 time frame you were there did you
19 participate in any discussions with a
20 group that talked about how to improve
21 that landfill or improve the disposal of
22 the waste at the site in Anniston?
- 23 A. I'm sure I was in many meetings where we

1 discussed enhancing and improving or
2 controlling materials leaving the plant.
3 I don't remember being in any particular
4 meeting discussing waste leaving the
5 landfill site.

6 Q. Do you know a Mr. Taffee?

7 A. Yes, I remember Mr. Taffee.

8 Q. Do you remember ever having discussed
9 with Mr. Taffee or being involved in any
10 discussion where Mr. Taffee talked about
11 improvements that had to be made to the
12 landfill itself?

13 A. I don't remember.

14 Q. You were aware, were you not,
15 Mr. Miller, at time that you worked at
16 the Anniston plant that the water wastes
17 that were -- and drainage basins there
18 were affected by PCBs, were you not?

19 A. I became aware of the fact there were
20 PCBs in the water and waterway leaving
21 the plant, yes, sir.

22 Q. When did you become aware of that?

23 A. Here again, in the late '70s -- Excuse

- 1 me. Late '60s.
- 2 Q. And that is from tests that you all had
- 3 performed yourself?
- 4 A. Yes.
- 5 Q. Do you recall being present in a meeting
- 6 with Mr. Crockett at the Alabama Water
- 7 Improvement Commission?
- 8 A. Yes.
- 9 Q. When did that meeting take place?
- 10 A. Late '60s.
- 11 Q. What was the purpose for that meeting?
- 12 A. To inform the Water Improvement
- 13 Commission as to what information
- 14 Monsanto had about this issue at that
- 15 time.
- 16 Q. What issue are you talking about?
- 17 A. The Aroclor or PCB issue.
- 18 Q. Okay. Who all was involved in preparing
- 19 for that meeting?
- 20 A. Here again I would speculate that
- 21 obviously I was. Mr. Landwehr or
- 22 Mr. Fuhrmeister, whoever was there at
- 23 that time was.

- 1 Q. And who else?
- 2 A. I don't remember.
- 3 Q. Was Mr. Jessie involved, Gene Jessie?
- 4 A. First of all, I don't remember any
5 particular meeting in preparation of the
6 meeting with AWIC.
- 7 Q. Y'all didn't have any meeting ahead of
8 that meeting, before that --
- 9 A. One would be highly suspicious that we
10 did, but I don't remember it.
- 11 Q. Tell me if you would what prompted the
12 meeting, if you know.
- 13 A. Here again, this is speculative on my
14 part, but I think Monsanto felt like
15 they should go forward to AWIC and
16 inform them of what information we had,
17 that they had at that period of time.
- 18 Q. Which was?
- 19 A. That we had PCBs leaving the plant.
- 20 Q. And?
- 21 A. And I guess tell them how we had
22 determined this, where the analysis --
23 where the identification material came

- 1 from.
- 2 Q. And then I guess what I'm just
- 3 interested in is how is it that you
- 4 determined that you needed to see
- 5 Mr. Crockett? Who made that
- 6 determination?
- 7 A. I'm sure I was told by somebody to go
- 8 see Mr. Crockett.
- 9 Q. Okay.
- 10 A. And since either Mr. Fuhrmeister or
- 11 Landwehr were my boss, one of those two
- 12 told me.
- 13 Q. Okay. And who was E. G. Wright?
- 14 A. A fellow that had been assigned to
- 15 investigate this problem. He was a
- 16 member of the technical services
- 17 department.
- 18 Q. There in Anniston?
- 19 A. Yes.
- 20 Q. And he had been assigned to investigate
- 21 the problem?
- 22 A. If memory serves me, that's correct.
- 23 Q. And when you say the problem, that was

- 1 the problem about the stuff leaving the
2 plant?
- 3 A. Yes.
- 4 Q. What were the levels that you all found
5 in the studies that you did of the
6 creek, that were in the creek at that
7 time?
- 8 A. I don't remember.
- 9 Q. Did you make known to Mr. Crockett what
10 levels you all had found?
- 11 A. Here again I don't remember.
- 12 Q. What levels did y'all determine to be of
13 concern to you at that time?
- 14 A. I don't think anybody knew what levels
15 would be a concern at that time. We
16 didn't. I didn't.
- 17 Q. You did not?
- 18 A. I didn't.
- 19 Q. Had anybody told you what levels at that
20 point in time would be of concern in the
21 air?
- 22 A. No, sir.
- 23 Q. There were fumes that came off the

1 manufacturing process, weren't there,
2 Mr. Miller?

3 A. A fume? Is that what you said?

4 Q. A vapor. Didn't the stuff vaporize off
5 the manufacturing process, the Aroclors?

6 A. In the chlorination process you are
7 producing an off-gas, hydrogen chloride,
8 which is scrubbed down to make muriatic
9 acid.

10 Q. And PCBs would be present in the fume?

11 A. It would be in the fume.

12 Q. How were those released from the plant?

13 A. I'm sorry?

14 Q. How were those released?

15 MR. COX: He is asking you how the
16 fumes were released from the
17 plant, under of the HCl gas.

18 A. The HCl process is -- I don't know the
19 best way to put this. It was very well
20 contained.

21 Q. How was it contained?

22 A. Scrubbing the fumes down with water to
23 produce muriatic acid.

- 1 Q. You used water in the process, and that
2 is how PCBs got in the water?
- 3 A. I assume so.
- 4 Q. You are talking about acid. Are you
5 talking about hydrochloric acid produced
6 in the process?
- 7 A. Yes.
- 8 Q. And muriatic acid is produced in that;
9 is that correct?
- 10 A. (Witness nods head affirmatively.)
- 11 MR. COX: You need to answer out
12 loud.
- 13 A. Yes, hydrochloric acid and --
- 14 Q. And do you pour water over the
15 hydrochloric acid, and then that is what
16 left the plant through those pits?
- 17 A. The off-gas from the chlorinators is
18 hydrogen chloride gas, which when you
19 scrub it down produces hydrogen chloride
20 or hydrochloric acid or muriatic acid.
- 21 Q. Then there are vapors that come off that
22 process that contain PCBs, right?
- 23 A. I don't know.

- 1 Q. Sir?
- 2 A. I don't know.
- 3 Q. Were there not certain levels set by
- 4 people at Monsanto that would be
- 5 dangerous to people?
- 6 A. Levels of what?
- 7 Q. PCBs in the air that would be, say,
- 8 around people.
- 9 A. I'm not aware of any.
- 10 Q. So as chief chemist there and while in
- 11 production somebody from Monsanto told
- 12 you there were unsafe levels or a
- 13 threshold on which you should go to the
- 14 neighbors?
- 15 A. I don't remember it if it was there.
- 16 Q. Did anybody tell you, Mr. Miller, about
- 17 findings that had been made by Monsanto
- 18 or others in testing that had been done
- 19 to make that determination?
- 20 MR. COX: Object to the form.
- 21 A. Here again, I don't remember it if it
- 22 was there.
- 23 Q. What I'm asking you is did somebody tell

1 you, "Well, Mr. Miller, we have to make
2 sure our piles are not exposed in terms
3 of PCB contaminated air beyond this
4 level"?

5 A. I'm not aware of it.

6 Q. So nobody from Monsanto as you sit here
7 today do you recall telling you that
8 about first your employees at the
9 plant --

10 A. If there --

11 Q. -- is that correct?

12 A. If there was anything like that, I don't
13 remember it. And I don't remember it or
14 I'm not aware of it.

15 Q. Were there air tests performed by the
16 technical services group at the time?

17 A. Again, I don't remember if there were.

18 Q. So you weren't told about any air test
19 results, weren't to gather any levels,
20 don't remember anything about air at
21 that time?

22 A. That's correct.

23 Q. Or the danger it might pose to either

1 employees or perhaps the people who
2 lived around the plant?

3 A. No knowledge.

4 Q. What kind of venting process did you
5 have with the production process?

6 A. Here again the muriatic plant is the
7 vapor process.

8 Q. Where did those vapors go, or was that
9 all a self-contained type thing?

10 A. It was a scrubber system that scrubbed
11 the vapor down, and the muriatic acid
12 was then put in storage tanks and sold.

13 Q. In that scrubbing system, was it open to
14 the air, or was it's a self-contained
15 scrubber?

16 A. I don't remember. I'm sure it had some
17 kind of pressure relief or barometric
18 lid on it.

19 Q. So there would have been some let out
20 into the system; is that correct?

21 A. Not on a regular basis because the
22 hydrogen chloride gas would have given
23 you a problem, an immediate problem.

1 Q. Tell me if you would if there were pipes
2 and things throughout the system we are
3 talking about.

4 A. Certainly.

5 Q. And you then mentioned earlier that
6 y'all had fixed leaks on that.

7 A. Uh-huh (indicating yes).

8 Q. Would it not be fair to say that vapor
9 was released in that process of fixing
10 those leaks in the pipe and had been for
11 years before you hyped up in the late
12 '60s?

13 MR. COX: Object to the form.

14 A. During the period of time I worked
15 there, there were efforts made to reduce
16 the number of leaks and spillages and so
17 forth. Before that I don't know what
18 they did.

19 Q. Before that process was undertaken to
20 keep those leaks down, in that process
21 there had been leaks?

22 A. I'm sure there had been.

23 Q. Have vapor leaks been given up in that

1 Aroclor production process?

2 A. Probably.

3 Q. And PCBs were in that just like they

4 were in the water, correct?

5 A. They would have been if there was a

6 vapor leak, yes.

7 Q. Now, were you told about any specific

8 illnesses that resulted from PCB

9 exposure at the time you worked at that

10 point?

11 A. No.

12 Q. Were you ever told by anybody from St.

13 Louis that could cause liver disorders?

14 A. Not to my knowledge.

15 Q. That it might be carcinogenic?

16 A. Here again, not to my knowledge.

17 Q. That it could cause chloracne?

18 A. Again, I don't remember.

19 Q. So no one told you that at the time you

20 worked at the plant?

21 A. Not to my knowledge or memory.

22 Q. Okay. So in the meeting with

23 Mr. Crockett were any of those things

Kriegshauser Reporting & Video

1 that I just mentioned discussed?

2 A. Here again, not to my knowledge. I

3 didn't know anything about them.

4 Q. And I guess what concerns me about this

5 meeting with Mr. Crockett is you had

6 indicated that y'all didn't have a

7 meeting before y'all went down there

8 that you recall. Can you tell us how

9 that meeting was initiated? Did you

10 call Mr. Crockett after being told by

11 one of the employees to call him?

12 A. I'm sure I initiated the meeting with

13 Mr. Crockett by calling him and setting

14 it up. I'm reasonably certain that we

15 had a meeting at Monsanto before I left

16 to go have the meeting with

17 Mr. Crockett, but I don't remember the

18 details of that meeting or who was

19 there.

20 Q. Who is a Mr. Minkler? Do you know who

21 that is?

22 A. I remember the name. He was from St.

23 Louis. That's all I know.

1 Q. And do you remember what department he
2 worked in or what his -- Y'all were
3 cited -- Y'all were supposed to provide
4 information to Mr. Minkler at this
5 meeting. Do you remember doing that?

6 A. No.

7 Q. Let me show you a confidential memo.
8 Let me let you take a look at it. It
9 was previously shown to you in the
10 deposition. Take a look at that.

11 MR. STEWART: Off the record.

12 (Discussion held off record.)

13 MR. STEWART: We are going to
14 continue the deposition to
15 another time of Mr. Miller.

16
17 (The deposition of Mr. Gerald
18 Miller was continued to an
19 unspecified date and time.)
20
21
22
23

