

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STATE OF MICHIGAN
IN THE CIRCUIT COURT FOR THE COUNTY OF HURON

)
ROGER A. HALEY and VALERIE J. HALEY,)
Husband and Wife; and DONALD L.)
HALEY and FLORENCE S. HALEY, Husband)
and Wife,)
)
Plaintiffs,)
)
)
-vs-)
)
MICHIGAN SILO COMPANY, a Michigan)
Corporation, C & B SILO COMPANY, a)
Michigan Corporation; MONSANTO)
COMPANY, a Corporation; and CONCRETE)
SILO COMPANY, INCORPORATED, a)
Corporation, Jointly and Severally,)
)
Defendants.)

No. 77 002593 NP
VOLUME XXIV

Excerpt of the proceedings had and testimony
taken in the above-entitled matter on Tuesday, May 1, 1984, at
9:00 o'clock A.M., at the Huron County Courthouse, Bad Axe,
Michigan, before the Honorable M. Richard Knoblock.

1 APPEARANCES:

2 MC GRAW & BORCHARD,
3 BY: PATRICK MC GRAW, Esq.,

4 and

5 JAMES N. WOODWORTH, Esq.,

6 and

7 CUBITT, CUBITT & TROWHILL,
8 BY: H. DALE CUBITT, Esq.,

9 Appearing on behalf of Plaintiffs.

10 CHAKLOS, JUNGERHELD & DELLA SANTINA,
11 BY: WILLIAM E. JUNGERHELD, Esq.,

12 and

13 ROBERT A. HAHN, Esq.,

14 and

15 JOSEPH F. NASSIF,

16 Appearing on behalf of Defendant
17 Monsanto.

18 DAVIDSON, BREEN & DOUD,
19 BY: JOHN DAVIDSON, Esq.,

20 Appearing on behalf of Defendant
21 C & B Silo.

1 INDEX

2 WITNESS:

3 GAFHEY, William R.,

4 Direct Examination Continued by Mr. Jungerheld Page 3809

5 Cross-Examination by Mr. Mc Graw Page 3839

6
7
8 HARBISON, Raymond D.,

9 Direct Examination by Mr. Jungerheld Page 3903

10 Cross-Examination by Mr. Woodworth Page 3966

11 Redirect Examination by Mr. Jungerheld Page 4049

12 Recross-Examination by Mr. Woodworth Page 4051

13
14 -o0o-

15 (Whereupon at 9:00 o'clock A.M., on Tuesday,
16 May 1, 1984, the Hearing was reconvened.)

17 THE COURT: Bring in the Jury.

18 Doctor, resume the stand please. You're still under
19 oath.

20 WILLIAM R. GAFHEY,

21
22 a witness herein, produced by and on behalf of the Defendants,
23 having been previously duly sworn, testified further on his
24 oath as follows:

25 THE COURT: Be seated.

1 Good morning, members of the Jury.

2 THE JURY: Good morning.

3 THE COURT: Mr. Jungerheld.

4 MR. JUNGERHELD: Thank you, Your Honor.

5 DIRECT EXAMINATION

6 BY MR. JUNGERHELD, CONTINUING:

7 Q Dr. Gaffey, before we ended yesterday I had just asked you
8 if you are a member of any professional societies in the
9 field of epidemiology.

10 A Yes. I'm a member of the Society for Epidemiologic Research.

11 Q And could you tell us a little bit about that society?

12 A It's a group of persons engaged in the practice of epi-
13 demiology and also publishes the only paper, journal, in the
14 field. The American Journal of Epidemiology.

15 Q Is that a peer reviewed journal?

16 A Yes, it is. I'm an associate editor of that journal.

17 Q And as an associate editor of that journal what do you do
18 in connection with the journal?

19 A On request of the editor I review selected papers that are
20 presented for publication.

21 Q And what do you review those papers for before they're per-
22 mitted to be published in this journal?

23 A For accuracy, internal consistency, for whether the author
24 has examined and related his results to other results in the
25 field, and whether the writing is clear enough to be

1 understandable.

2 These reviews, incidentally, are anonymous.

3 Q. And an anonymous review -- what is the advantage of an
4 anonymous publishing in a review journal?

5 A. Essentially the reviewer can be as nasty as he wants without
6 fear of degrading people with whom he may be professionally
7 involved.

8 Q. And does the society also conduct meetings?

9 A. It conducts an annual meeting, yes.

10 Q. And are issues of interest to the epidemiologic field dis-
11 cussed at those meetings?

12 A. Yes, sir, they are, particularly three day meetings in which
13 new research is presented in various areas. And the better
14 of these are then reviewed and published in the American
15 Journal of Epidemiology.

16 Q. Doctor, are you also a member of any other organizations?

17 A. Yes. I'm a member of the American Statistical Association;
18 the Biometric Society; I'm a Fellow of the American Public
19 Health Association; a member of the Institute for Mathematical
20 Statistics; and a member of the British Royal Society of
21 Health.

22 Q. When you say you're a Fellow of the American Public Health
23 Association, what does that mean?

24 A. The American Public Health Association has two classes of
25 membership. Regular membership and fellows who are elected

1 to fellowship on the basis of their accomplishments in the
2 field.

3 Q All right, sir.

4 Dr. Gaffey, have you also published in the Field of
5 Epidemiology?

6 A Yes, I have.

7 Q And could you outline for the Jury please in what areas you
8 have published? What studies, in other words.

9 A I was the co-author of the first study that established that
10 vinyl chloride was a major cause of liver cancer.

11 I have published, subsequently, studies of workers in
12 the paints and coatings industry.

13 I'm a co-author of a study of gold miners, which has been
14 accepted for publication but has not yet been published.

15 Excuse me. In addition I was co-author of a publication
16 of a study of lead solder workers.

17 Q With reference to the gold miner worker study, is that the
18 one you referred to earlier when the gold was extracted from
19 asbestos?

20 A Yes, that is correct.

21 Q Have you published any papers on the analysis of epidemiologi-
22 cal data?

23 A Yes. I have published one theoretical paper looking at some
24 of the standard statistical calculations and I have another
25 one in preparation.

1 Q. Dr. Gaffey, have you also reviewed and familiarized yourself
2 with literature -- with other literature, not just your own
3 you have published, but other literature within the field of
4 epidemiology?

5 A. This is almost essential to keep up with the field to read
6 the leading publications.

7 Q. Would this include work published in the field of epidemiology
8 involving PCB?

9 A. Yes.

10 Q. Dr. Gaffey, is it within your expertise in the field of
11 epidemiology to review the epidemiology publications that
12 appear in the scientific literature regarding, in this case,
13 PCB and to interpret their findings?

14 A. Very much so.

15 The federal government, in preparing various documents,
16 evaluating the health effects of specific substances, has
17 these reviews done by government epidemiologists. Actually,
18 the process of reviewing a paper involves this because in
19 order to draw conclusions of a paper to interpret a given
20 topic I have to make sure the author himself has reviewed
21 those topics.

22 And finally, one of the most recent publications on the
23 Yusho incident was actually a review exactly this way of
24 all the studies done on Yusho papers both in Japan and
25 Taiwan.

1 Q. Was that in a standard scientific process in the epidemiolog-
2 ical community?

3 A. Yes, indeed. The publication I talked about is in the
4 American Journal of Industrial Medicine, first quarter of
5 1984.

6 Q. Incidentally, how many studies are there as they relate to
7 PCB's

8 I'm talking epidemiology studies.

9 A. It depends on how one defines it. I was able to find 22 in
10 the search of the literature.

11 Q. Incidentally, were you asked to undertake a task that re-
12 sulted in doing exactly that by the American Chemical Society?

13 A. Yes, I was.

14 Q. Have you spoken to various scientific groups regarding this
15 subject? This is the epidemiology of PCB's?

16 A. Yes. I have spoken to a meeting of the American Chemical
17 Society symposium held by the University of Michigan and a
18 symposium held by the EPA in Washington.

19 Q. And have the proceedings -- in other words your presentations
20 on -- to these meeting in the field of epidemiology of PCB's
21 been published?

22 A. The ones from the Michigan symposium and the EPA symposium, yes.

23 MR. JUNGERHELD: Your Honor, at this time I
24 would tender Dr. Gaffey as an expert in the field of
25 epidemiology.

1 THE COURT: Any objection?

2 MR. MC GRAW: Your Honor, as an epidemiologist
3 in practical experience I think he has got some qualifica-
4 tions. But I think it -- we're stretching the expert
5 standard here by taking an employee of Monsanto who's
6 evidently biased and asking him to be declared an expert by
7 the Court. I think we're going a little bit far by doing
8 that at this point.

9 THE COURT: Evidently biased?

10 MR. MC GRAW: Well, he is an employee of Monsanto
11 Corporation. Yet he is an agent speaking for the corporation
12 and now they want to bring him in and make him an expert
13 in this field for them. I just think that's stretching
14 the rules a little bit.

15 THE COURT: It is? I don't think so. I'll
16 overrule the objection. I find him qualified.

17 Do you have any objection, Mr. Davidson?

18 MR. DAVIDSON: No, Your Honor.

19 THE COURT: I find him qualified as an epi-
20 demiologist.

21 Q. (By Mr. Jungerheld, continuing.) Dr. Gaffey, I'm going to
22 be asking you some questions that relate to your field of
23 epidemiology and again it was yesterday that you explained
24 that, but perhaps as a very short refresher would you explain
25 to the Jury again what an epidemiologist is and what the

1 field of epidemiology is?

2 A. The field of epidemiology is the study of risks of general
3 health in specifically defined populations.

4 For example, the risk of lung cancer in smokers. The
5 risk of acute accident in coal miners. These are examples
6 of risks of ill-health in particular populations, and that's
7 the subject that epidemiologists study rather than the
8 occurrence of individual illnesses in individual fields.

9 Q. Doctor, as an epidemiologist, tell me this: Do you have an
10 opinion as an epidemiologist as to whether the Yusho incident
11 that has been discussed previously in this Court and the
12 Yu-Chen, that is the Taiwan incident, as an epidemiologist do
13 you have an opinion as to whether or not those incidents were
14 PCB?

15 MR. MC GRAW: Objection, Your Honor. I haven't
16 heard any evidence about the Doctor's knowledge of Yusho
17 or Yu-Chen.

18 MR. JUNGERHELD: Well, the next question was
19 going to be if he has an opinion, what the basis of that
20 opinion is.

21 THE COURT: Go ahead.

22 Q. Do you have an opinion, Dr. Gaffey?

23 A. Yes.

24 THE COURT: He wants to know the basis of the
25 opinion.

1 Q. Would you explain to the Court and to the Jury the basis
2 for your opinion about the Yusho and the Yu-Chen incidents?
3 A. I have read published accounts by the Japanese scientists who
4 investigated the Yusho incident, some of whom followed the
5 patients in that incident for a decade or more, and I have
6 read a recent report by a Dr. Navhuho Konito (sic) and
7 colleagues who looked also at Yu-Chen and made an evaluation
8 of the role of PCB in both of those incidents.
9 Q. Have the Japanese and, indeed I guess others, published a
10 substantial amount of scientific literature concerning
11 Yusho and Yu-Chen?
12 A. Particularly Yusho, yes, the Japanese have published -- I
13 don't know how many. I have read only the Englished pub-
14 lications.
15 Q. You mean the English translated publications?
16 A. The English translated publications. Some of the publica-
17 tions were published in Japanese and translated into English.
18 Others were published initially in English by the Japanese
19 scientists.
20 Q. Do you read Japanese?
21 A. No, I do not.
22 Q. So then you have read -- have you read then English versions
23 of the Japanese studies as well as those published in
24 English in the first place?
25 A. Yes.

1 Q. All right, sir.

2 And is it within the field then, as I think you have
3 already testified, of epidemiology to review these scientific
4 works for the purpose of forming epidemiological judgments
5 as to such events such as Yusho and Yu-Chen?

6 A. Yes.

7 Q. Then I would ask you what is your opinion as it relates
8 to the epidemiology of the Yusho and Yu-Chen events?

9 MR. MC GRAW: Excuse me, Your Honor. I still
10 object on the foundation and the hearsay element of what the
11 Doctor is going to testify to. I'm aware that these are
12 epidemiological studies that he is going to be testifying
13 about and if he's going to be taking these studies of Yusho
14 and interpreting the health effects I don't think he's
15 qualified to do that.

16 THE COURT: The medical --

17 MR. MC GRAW: The medical health effects and the
18 effects on the people in Yusho and the specific chemicals
19 involved.

20 MR. JUNGERHELD: Your Honor, I would suggest
21 that we have already had ample testimony from Plaintiffs'
22 experts on both Yusho and Yu-Chen. I recall Dr. -- well,
23 Dr. Miester, Dr. Chase and Dr. Zimmerman all spoke to those
24 very issues and -- well, with less background, I would offer,
25 than Dr. Gaffey has.

1 So this is a recognized scientific principal, particularly
2 as we're dealing narrowly here within the field of epi-
3 demiology, and it is a valid principal as he's indicated in
4 the field of epidemiology to address studies from an
5 epidemiological standpoint.

6 Now, I don't see any scientific problem with that what-
7 soever and certainly there's not any evidentiary problem in
8 the way that this case is already proceeding.

9 MR. MC GRAW: Your Honor, I think the people
10 that Mr. Jungerheld mentioned were M.D.'s and toxicologists
11 who had the ability to comprehend this. Not to comment on
12 the literature itself and pull out the health effects they
13 feel are relevant without knowing the basis of these health
14 effects and the basis of the literature and further taking
15 all this hearsay evidence and trying to put it together in
16 statistical form here which really will be misleading.

17 MR. JUNGERHELD: I don't think so, Your Honor.

18 THE COURT: I'll allow it over the objection.

19 MR. JUNGERHELD: Thank you.

20 Q. Dr. Gaffey, then could you tell us in your opinion or --
21 well, you have indicated you have an opinion as to Yusho
22 and Yu-Chen from an epidemiological standpoint. Would you
23 tell the Jury what is your opinion of Yusho and Yu-Chen
24 from that standpoint? From your field of expertise as an
25 expidemiologist?

1 A. The examinations of both the rice oil and the blood levels
2 of the people in Yusho and Yu-Chen showed that there were
3 essentially three groups of chemicals present. There were
4 PCB's, there were polyquaterphenyls, call them PCF's,
5 and polychlorinated dibenzofurans, PCDF's. No doubt the
6 people in those incidences were sick.

7 Now, the later Japanese paper points out that there have
8 been observations of Japanese PCB workers whose blood levels
9 of PCB were higher than Yusho victims but showed no symptoms
10 of Yusho disease. The authors point out that these highly
11 exposed Japanese PCB workers had no PCF's and no PCDF's in
12 their blood.

13 Furthermore, observations of the Yusho victims over a
14 decade showed that over that time the PCB levels in their
15 blood declined until they were approximately equal to the
16 background level in the population but they still had PCF's
17 and PCDF's in their blood and they were still sick.
18 Japanese concluded from this, and in my opinion they are
19 correct, that the active chemicals involved in the Yusho
20 illness were not PCB's but either PCF's or PCDF's.

21 They did further animal experiments that convinced them
22 that the PCF's were of little importance and that at least
23 in animals the PCDF's were the active toxins.

24 Q. Dr. Gaffey, as an epidemiologist and with the background
25 that you have put before the Jury here I want to ask you this,

1 this relates to some earlier testimony that occurred in
2 the Plaintiffs' case: As an epidemiologist with the
3 background relating to the epidemiologic consequences, if
4 you will, of PCB's do you have an opinion as to whether the
5 following conditions relate epidemiologically speaking from
6 your standpoint to the PCB exposure, and that would be the
7 following conditions: Depression, anxiety, decreased libido,
8 impotence, tiredness, immune response, and enzyme induction?

9 A. I have opinions about several of these.

10 Let me, if I may explain --

11 Q. The next question would be, yes, what is your basis?

12 MR. MC GRAW: Excuse me, Counsel. Your Honor,
13 now he's going to explain the medical terminology and he's
14 going to go into some diagnosis that have been already, as
15 Mr. Jungerheld said, brought out in this trial and I don't
16 think he's got the expertise to do that.

17 MR. JUNGERHELD: Your Honor, Dr. Gaffey is offer-
18 ed only as an epidemiologist. We have had that testimony
19 from toxicologists and they addressed this issue. We
20 have had testimony from M.D.'s and they have addressed their
21 field of expertise as it relates to this symptomatology
22 of PCB. I would submit to the Court that this man is
23 speaking from the standpoint of an epidemiologist, which I
24 think has been shown by the foundation to be a field of
25 expertise that has direct bearing on the human aspects of the

1 alleged PCB exposure.

2 THE COURT: I'll allow it over objection.

3 A. Most of what I'm going to say represents not my own opinion
4 but a quotation from published papers.

5 MR. MC GRAW: Excuse me, Your Honor.

6 MR. JUNGERHELD: Well, we are interested in your
7 own opinion as an epidemiologist. That is what you have been
8 qualified as.

9 A. I'm looking at these papers and evaluating them and assessing
10 what I think they tell me in terms of my opinion regarding
11 these conditions.

12 Q. As an epidemiologist?

13 A. As an epidemiologist. I'm not concerned with the diagnosis
14 that were made. I have no competence to evaluate a diagnosis.
15 But what I have seen, and it is on this I base my opinion,
16 are papers by Baker and colleagues and by Kreiss and
17 colleagues, who inquired of people with varying levels of
18 exposures to PCB's what their illness experience has been
19 in various areas. These two investigators agreed that there
20 was no difference between the high and low PCB exposed people
21 in certainly the following matters: Number one, fatigue;
22 two, fever; number three, heart disease, doctor visits, the
23 use of prescription drugs, reproductive problems.

24 It seems -- in my opinion if these people had difficulty
25 with their immune system it would mean to me they'd have more

1 colds, or illnesses, more conditions requiring medical
2 attention.

3 MR. MC GRAW: Excuse me. Now he's going into
4 the medical field and his opinion talking about the immune
5 system and what it affects. He's an epidemiologist. You have
6 let him go into the literature. Now he's going into the
7 people and their symptomatology and what they exhibit and
8 what they should exhibit in the immune responses. He's not
9 a doctor.

10 MR. JUNGERHELD: He's not being offered as a
11 physician.

12 MR. MC GRAW: Well, he's testifying as one.
13 That's the whole thing, Your Honor.

14 MR. JUNGERHELD: If I may finish my response
15 to the objection, Your Honor.

16 THE COURT: Go ahead.

17 MR. JUNGERHELD: I would remind the Court that
18 in a rather lengthy background relating to the field of
19 epidemiology we took some time to go through yesterday
20 afternoon Dr. Gaffey has indicated working with the -- as
21 a matter of fact he has indicated what an epidemiologist
22 does is to study the health effects of people. I forget just
23 exactly how the wording was. But nonetheless it is looking
24 at the health impacts over a group of people. Now, the
25 health impact, the health diagnosis, and these sorts of

1 things are indeed done by others but nonetheless an epi-
2 demiologist looks at these in terms of the representation,
3 if you will, in a particular group of people and it is this
4 field to which Dr. Gaffey is addressing himself.

5 MR. MC GRAW: Just a minute ago he was addres-
6 sing the immune system and testifying as to what effects he
7 would expect to see or what these people should have seen.
8 Now, that's going beyond anything -- that's going into the
9 medical field.

10 THE COURT: I don't think so. I'll overrule
11 the objection.

12 Q. (By Mr. Jungerheld, continuing.) All right, Dr. Gaffey,
13 would you continue with the -- you were explaining the
14 literature you have indicated from the Kreiss study and the
15 Baker study and you looked at a large variety of health
16 effects and please continue with that answer.

17 A. Well, I was saying that there was no reported differences
18 between the high and low PCB exposed groups in such things
19 as doctor visits and use of prescription drugs. No difference
20 in illnesses that might be thought of as measuring a problem
21 with the immune system.

22 In addition to that Fishbein and colleagues, who looked at
23 a group of heavily exposed capacitor workers, said at the
24 end of their study there were remarkably few liver ab-
25 normalities in this group.

1 Q. Dr. Gaffey, again from the standpoint of an epidemiologist,
2 do you have an opinion regarding whether or not, again I
3 emphasize we are talking about the epidemiological aspects
4 of this, do you have an opinion as to whether or not high
5 blood pressure, heart disease, or elevated triglycerides
6 relate to PCB exposure?

7 MR. MC GRAW: Your Honor, I'm just going to
8 make the same objection as to his qualifications to address
9 these issues.

10 MR. JUNGERHELD: I would make the same response.
11 I'm limiting his testimony to the field of epidemiology.

12 THE COURT: You're asking whether or not exposure
13 to PCB's has these health effects?

14 MR. JUNGERHELD: Is has been shown from the
15 epidemiological standpoint. In other words it's a similar
16 question, if not indeed an identical question to the prior
17 questions that we have talked about here. As an epi-
18 demologist does he have an opinion. Does he have an opinion
19 as to whether in the epidemiological field as it relates
20 to PCB's these particular conditions that have been men-
21 tioned earlier in this case relate to PCB exposure?

22 MR. MC GRAW: Just so I'm clear, Your Honor,
23 he's testifying as to what he's pulling out of the literature?
24 Not to what the causes or symptoms of these are? Just from
25 his understanding from someone else's work?

1 THE COURT: That's correct.

2 MR. MC GRAW: Which is also hearsay.

3 THE COURT: I'll allow it.

4 Q. (By Mr. Jungerheld, continuing.) Do you recall the question,
5 Dr. Gaffey?

6 A. Yes. I'd like to preface it by saying there are some
7 generally accepted ground rules for going about examining
8 epidemiology studies to determine whether or not they actually
9 show cause and effect relationship.

10 Q. Would you outline those to the Court and Jury, please?

11 A. These are stated more or less by material. The most recent
12 by Sir Richard Dow (sic), he is the man who discovered the
13 link between smoke and lung cancer. Dow's criteria are you
14 can deduce a cause and effect relationship in epidemiologic
15 studies if, first of all, the studies are unbiased; if they
16 are good studies; second, if the people who exposed have a
17 higher incidence of the health effect than the people not
18 exposed; second -- third, rather, if this is statistically
19 significant; and fourth, if there's a dose response relation-
20 ship, that is if the more exposure the more ill health; and
21 finally, these findings must be repeatable in different
22 studies conducted at different times.

23 So if we use these general guidelines to look, first
24 of all, at heart disease, say, the evidence we have on heart
25 disease is first of all from the Kreiss study where there was

1 no difference in reported heart disease; second, from the
2 several long term mortality studies, which were designed
3 primarily to look at cancer but which also looked at other
4 causes of death, none of them found any excessive heart
5 disease; and last, I think most important of all, PCB's
6 are widespread in the American environment, at least, and
7 whether we like it or not we have an opportunity to observe
8 what happened to American mortality over the last 10 or 15
9 years in a situation at which PCB's were more or less
10 universal in the environment. One of the most startling
11 things that happened is heart disease started to go down in
12 the late 1960's and has from then until now decreased by
13 about 25 percent. Stroke has gone down, I believe, by that
14 much or more.

15 So we have, if you will, an epidemiology study of two
16 million people with a widespread exposure to PCB's over a
17 period of which heart disease went down rather substantially.
18 As a matter of fact the decrease was so much that when it
19 started Public Health statisticians thought something
20 was wrong with the data and meetings were held to question
21 what mistakes have we made that have lead us to basically
22 believe that heart disease was decreasing, but in fact it
23 was real.

24 As to the issue of hypertension we have somewhat a
25 similar situation. That is we have a marked decrease in

1 hypertension in the general population and we have two
2 epidemiology studies that looked at hypertension. One of
3 them, the Kreiss study, found an association.

4 The second one by Dr. Alexander Smith and his colleagues
5 found no significant association looking at a group of
6 utility workers that were involved in the maintenance and
7 repair of transformers that used PCB oils.

8 So again, in the case of hypertension we have a couple
9 of contradictory epidemiology studies and we have general
10 population data in which the mortality has gone down rather
11 substantially over a period in which there was general
12 exposure to PCB's.

13 On the matter of triglycerides there are some contra-
14 dictory reports in the literature. The Kreiss study, for
15 example, finds that there is no excess triglyceride level
16 in five PCB exposures if you adjust for cholesterol level.
17 In other words Kreiss says the increase that is seen in
18 triglycerides comes from the fact that these are associated
19 with cholesterol. And if you say what would be the situation
20 if there were no differences in cholesterol levels, her
21 conclusion, there is noise association with triglycerides.

22 Other studies have found the opposite. I think one of
23 the issues here is whether PCB exposures lead to increased
24 triglycerides or whether people with higher triglyceride
25 levels absorb more PCB's. PCB's are absorbed selectively by

1 fat. Triglycerides are related to fat metabolism. I think
2 there is a real question as to whether the PCB caused the
3 increased triglycerides or whether the situation is in fact
4 the reverse.

5 Q. Dr. Gaffey, the term statistically significant has come up
6 earlier and I notice you just mentioned it a moment ago.

7 Would you explain to the Jury the concept of statistical
8 significant as it relates to the field of epidemiology?

9 A. Well, I think -- yes. The way to start may be to remind you
10 of the school principal who was against intelligence testing
11 because he said every child in the class was either above or
12 below average.

13 Similarly, when we go an epidemiology study, particularly
14 the long term ones that I have been most involved with, we
15 end up at the end of our study with a list of observed numbers
16 of deaths from different causes, and then a list of expected
17 numbers. Expected numbers are derived through fairly
18 involved calculations essentially using the weights in
19 general population. The observed values almost never equal
20 the expected values. They're either higher, or lower.

21 For example, in a rare cause of death I find the expected
22 number of deaths is one half and I cannot -- every result
23 I find would be either below expected or above. Well,
24 intuitively most of us realize if you have small numbers of
25 deaths, observed deaths, there's kind of a random variation.

1 That is if I do a study I may find four deaths from a
2 certain cause. If I do the same study over again I may have
3 three deaths, or five deaths so the fact that an observed
4 value differs from the expected value is not in itself
5 very important. If it differs so much that the result is
6 improbable, then we tend to believe it. So statistical
7 significance is simply the -- it's the result of a reasoning
8 that says we will pay attention to the difference between
9 an observed and expected value if the difference is so great
10 as to be improbable and our threshold, that is by -- at
11 a usual convention, that if something has less than one
12 chance in 20 of occurring by chance alone we conclude that
13 it's real. So when we look at observed and expected values
14 we conduct a statistical experimentation and try to determine
15 the probability of that discrepancy occurring and if you
16 see probability by .4, .5, by the usual standards these
17 are not standards because the differences are quite likely
18 to have occurred by chance alone. If you see a difference
19 with associated probability of .04, one usually says this is
20 significant because it's less than one in twenty. So
21 statistical significance is the evaluation of the difference
22 between what you see and what you expect to see and evaluation
23 in terms of how rare this would be and the decision, if
24 it's -- if the probability is below a certain amount a
25 decision that -- projectionally we will accept this difference

1 as real. So generally speaking differences that are
2 statistically significant by convention are accepted as
3 real subject to other caveats. Differences that have
4 a probability of greater than .05 are generally considered
5 not statistically significant.

6 Q. Is the concept of statistically significant important in
7 the field of epidemiology?

8 A. Well, yes. Of course, because if one didn't pay attention
9 to these probabilities then, for example, if I did a study
10 looking at mortality in 10 cases of cancer I'd expect five
11 of those would be -- more cancer would be acknowledged and
12 five of the cancers would show less. If I didn't pay
13 attention to statistical significance every epidemiology
14 study, even of a population that had no problem would always
15 show some excess, and it would be very easy to show that
16 everything is a carcinogen. Much the same as writing your
17 name is a cause of cancer. Without the technique for
18 evaluating whether a difference is random or whether it's
19 so unlikely you have to pay attention to it. So this concept
20 is a way of distinguishing between the variations you see
21 that maybe cause random background noise, and the variations
22 that are important and should be evaluated, or suspected of
23 being real.

24 Q. Dr. Gaffey, from your standpoint as an epidemiologist do you
25 have an opinion as an epidemiologist as to whether

1 carcinogenicity in humans, I'm talking about, relates to
2 PCB exposure?

3 A. The existing epidemiology studies provide no support for that.

4 Q. Could you give us the basis for you opinion in that regard?

5 A. There are -- well, first of all in order to study carcino-
6 genicity one must do more than simply examine a population
7 of living persons. Because the interval between an exposure
8 and the occurrence of cancer could be as long as decades.
9 A study of the relationship between anything, PCB's for
10 example, and cancer has to start by identifying a population
11 that was exposed to several decades ago, following them over
12 a period long enough so if it were cancer it would have that
13 time to develop, and looking at the mortality in that in-
14 terval to see if there was any excess mortality.

15 There have been either three or four studies in the
16 literature, depending on how you choose to look at it.
17 There's a large study done by NIOSH. The authors are
18 Brown and Jones. I think they have been mentioned.

19 There was an Italian study. The senior author was
20 Bentazzi. There was an unpublished very small study of
21 Monsanto workers. The authors were Zack and Musch. And
22 there was a study by a Professor Anita Bahn, B-a-h-n, of
23 chemical workers.

24 Now, the final -- the last study, that of Bahn, was
25 never really published. It was announced in a brief note to

1 the New England Journal of Medicine in 1977, and according
2 to the NIOSH criteria document on PCB's was withdrawn in
3 1977 because of some doubt as to whether the exposures were
4 accurate, whether the group study had been accurately
5 characterized as to exposure. It was never released. The
6 author died a couple of years later, but the study has never
7 been released yet.

8 Nevertheless, whether we consider three or four studies
9 the thing that they have in common is that they don't agree
10 with each other. In other words the final one of these guide-
11 lines for incurring carcinogenitivity, the one that says the
12 study should be repeatable, fails when we look at the studies
13 we have. The excesses that occur in Brown and Jones occur in
14 none of the other studies. The excesses, that occur in
15 Bentazzi is found in none of the others. The excess that
16 occurs in Zach and Musch is found in none of the others. The
17 excess that occurs in Bahn is found in none of the others.

18 Let's look for a moment at the Brown and Jones study,
19 because it's the largest of the group. It's a large group
20 of people. The average length of follow-up was about 16 and
21 a half years. Now, the Brown and Jones found an excess of
22 liver cancer. It was not statistically significant but it's
23 frequently mentioned and perhaps that's worth a little
24 more attention.

25 If you look at the actual publication by Brown and Jones

1 you find that this excess of liver cancer does not obey one
2 of the basic rules, that is it does not -- the excess does
3 not get worse with increasing exposure. It gets less.

4 If you look at what is called latency, the interval
5 from the beginning of exposure to the occurrence of the
6 disease, again if it were occupational, the longer the
7 latency the greater the excess. But in fact what happens
8 in Brown and Jones is they look at three intervals after
9 exposure. First 10 years, second 10 years, third 10 years.
10 And that liver cancer excess is about six fold in the
11 first 10 years. It's about two fold in the second 10 years.
12 And there's a deficit -- it's less than expected in the
13 third 10 years. So the numbers involved are very small,
14 but if one looks at it it behaves just the opposite of what
15 one would expect. If you look at it by duration of employ-
16 ment you find that all of the people who died from the liver
17 cancer had less than five years of employment.

18 Now, this again violates the other rule. A little bit is
19 bad, a lot should be worse, and here we have a situation
20 which apparently a little bit is bad and a lot is good.
21 What this means to me, this is not consistent with an
22 occupational explanation.

23 The other excess that gets a good deal of attention in
24 Brown and Jones, an excess of rectal cancer. And there
25 again, epidemiologically, the pattern does not make sense as

1 far as relationship to occupation, for one thing. The
2 excess is confined to one plant of the two that was studied.
3 It's confined to women in that one plant. And the third thing
4 is the plant that was studied is in a part of the United
5 States in which the regional mortality rectal cancer is
6 high. There are regional differences in mortality for most
7 cancers.

8 MR. MC GRAW: Objection, Your Honor. Could we
9 get the foundation for this testimony.

10 THE COURT: You mean for the regional difference?

11 MR. MC GRAW: For the regional difference. For
12 all the papers he's citing and some of the cites and
13 background levels. He's impeaching these papers and I'd like
14 to know the foundation for where he's getting all this in-
15 formation to impeach these papers.

16 THE COURT: Well, it sounds like most of it is
17 from internal, within the paper itself. But as to his
18 background in the area, what is the basis of that informa-
19 tion?

20 MR. JUNGERHELD: All right.

21 Q. Dr. Gaffey, would you tell the Court and the Jury what the
22 basis is for your statement about a differing background
23 around the United States? Background incidents of cancers
24 of various types around the United States?

25 A. Yes. About 10 years ago the National Cancer Institute --

1 Q. Let me interrupt at this point.

2 Would you explain what the National Cancer Institute
3 is?

4 A. All right. The National Cancer Institute is a government
5 agency. It's part of the National Institute of Health
6 which in turn is part of the Department of Health of Human
7 Services.

8 Q. Are what you going to speak about then a publication of this
9 agency of the United States government.

10 A. Yes, it is.

11 Q. All right.

12 A. This was a publication that resulted from calculations done
13 by epidemiologists who worked for the National Cancer In-
14 stitute using data provided by the National Census Bureau.

15 Q. Are you talking about the United States Census Bureau?

16 A. Yes, I am.

17 What the National Cancer Institute did was to identify
18 -- for all the major cancers they calculated mortality rates
19 by county for the United States. There was something like
20 3,000 such rates for each cause of cancer. They then ad-
21 justed these rates because there are differing age dis-
22 tributions in different counties and since cancer increases
23 with increase in age if you saw a difference between two
24 counties you might say we don't know whether this is a real
25 difference or whether it's due to age differences. They

1 adjusted these rates for age using standard techniques so
2 what they ended up with was a collection of rates which if
3 they varied from county to county would be for reasons other
4 than age. They did this for -- I forget how many, some 20 or
5 30 of the most important cancers and published this in a
6 book of rates. But in addition they published some
7 maps and the maps showed the position of each county with
8 respects to the rates with color.

9 For example, the counties colored red rates in the top
10 10 percent in the United States counties. And which -- rates
11 which were in the top 10 percent and were also statistically
12 significantly high, so you could look at a given cause of
13 death on one of these maps and the counties that were high
14 in the sense I described are red. And there are regional
15 groupings for different causes of death. For digestive
16 cancer, particularly, cancer of the colon, and rectum, there
17 is a stretch of red counties in the northeastern United
18 States. This is the area in which Plant No. 2 of Brown and
19 Jones is located. This is the reason why I said the back-
20 ground rate in the area of that plant was high.

21 Now, the study that was done by Brown and Jones --

22 Q. Let me interrupt a moment, Dr. Gaffey.

23 Would you give us the name of the government publication
24 that set out the county by county rates?

25 A. The senior author was a Dr. Mason and it's called United

1 States Cancer Rates by County, 1955-1969.

2 This publication has recently been updated to 1979.
3 However, the picture, at least as far as corresponding to the
4 new one, have not been put out. Nevertheless, the National
5 Cancer Institute has said with respect to the update that
6 there are few major changes in the major causes of cancer.
7 A few things have gone up. Lung cancer has gone up. Cancer
8 of the uterus in women has gone down. Stomach cancer has
9 gone down but there are no trends that warrant a trend
10 early.

11 Q. And with reference then to the incidence of rectal cancer
12 shown in the Brown and Jones study, are you relating that
13 then to what is shown in this publication of the National
14 Cancer Institute of the National Institute of Public Health
15 of the United States government?

16 A. Yes. Because of technical reasons Brown and Jones had to
17 compare what they observed to the United States first.
18 If they compared the rates of the counties in which the
19 plants were located it is clear that the excess in rectal
20 cancer would have been smaller. Whether it would have
21 disappeared or not I don't know. But there's no -- it's
22 clear that calculation with that other standard would have
23 reduced that excess.

24 I think that the finding in the other studies, because
25 of their size, are simply not in my opinion as important

1 except for the fact, as I say, that the excess of Brown
2 and Jones disappear in the other studies. Their excesses
3 disappear when you look at Brown and Jones. So what we are
4 seeing in this collection of studies is just about what you
5 would see if you studied a group of people who had no excess
6 risk. You would find, yes, indeed, every time you look at
7 a population there would be some increase in cause of death,
8 decrease in others. If you looked at three or four popula-
9 tions you would see different rates in population cropping
10 up. Excesses, deficits. So what I see in these studies is
11 what I what I would expect as an epidemiologist. If I studied
12 a group of people that have nothing in particular on them.
13 Excesses would pop up in some and deficits in others.

14 Q. Are you saying overall groups in all of these cancer
15 studies --

16 A. Yes, I'm saying if I look at the studies that have been done
17 of established human carcinogens, asbestos, vinyl chloride,
18 nickel compounds, they are -- the studies are consistent.
19 All asbestos studies show an excess of lung cancer. All
20 vinyl chlorides show an excess of liver cancer. All nickel
21 studies show an excess of nasal cancer. Here we don't have
22 that consistency. The consistency that makes it convincing.

23 Q. Dr. Gaffey, as an epidemiologist do you have an opinion as
24 to whether PCB's pose an unreasonable risk of harm to Mr. and
25 Mrs. Haley in ingesting milk and meat that resulted in the

1 serum concentrations that have been testified to in this
2 case?

3 A. The data -- most of the data that I have used or looked at
4 in reviewing the literature dealt with occupationally
5 exposed people whose blood levels were quite high, more often
6 than not above a hundred parts per billion. In view of
7 the fact that there are no ill effects other than chloracne
8 in that group I -- in my opinion there can't be health effects
9 in any individuals or groups with the exposures which is
10 much lower than that. My argument is if nothing is found
11 in people with high exposures then nothing could be found
12 in people with low exposures. The argument if a lot of
13 it is harmless, less of it would be harmless.

14 MR. JUNGERHELD: Your Honor, I believe that's
15 all with this witness.

16 THE COURT: Mr. Davidson.

17 MR. DAVIDSON: No questions, Your Honor.

18 THE COURT: Mr. McGraw?

19 MR. MC GRAW: Thank you, Your Honor.

20 CROSS-EXAMINATION

21 BY MR. MC GRAW:

22 Q. Dr. Gaffey, my name is Pat Mc Graw. I think we have met
23 before once in St. Louis and once at Michigan State.

24 Just going through your curriculum vitae did you obtain
25 a Master's in between your B.A. and your Ph.D.?

1 A. No, I did not.

2 Q. Doctor, we got a definition of the word epidemiology. Does
3 the word epidemic have anything to do with the origin of
4 that word?

5 A. Yes. The first epidemiologists were people who studied
6 epidemics, infectious diseases.

7 Q. Just had to do with infectious diseases?

8 A. Yes.

9 Q. Did it go on to systemic diseases?

10 A. Yes.

11 Q. Does it involve health and medicine?

12 A. I don't think I understand your question.

13 Q. Now, I guess what I'm getting at, as an epidemiologist
14 are you required to get involved into the medicinal treatment
15 of people or to their health effects and the underlying
16 causes for their diseases?

17 A. No.

18 Q. Do you consider that important?

19 A. It depends on what diseases are involved and it depends on
20 what kinds of exposures are involved.

21 Q. Okay. Let's take heart disease. Are there numerous causes
22 for heart disease?

23 A. I believe so.

24 Q. Do the studies when they address heart disease, do they
25 address the particular cause of the heart disease?

1 A. Sometimes, yes; sometimes, no.

2 Q. So if they didn't then would the study be any good in your

3 mind?

4 A. Oh, yes.

5 Q. Oh, it would?

6 A. Yes, indeed.

7 Q. Why would that be?

8 I guess what I'm looking at, you got heart disease,

9 you lumped your study into a general category of heart

10 disease. But then there are different causes of heart

11 disease. So now you're going to make a general statement

12 based on all the heart disease without looking at the in-

13 dividual causes, which I think are important. Don't you?

14 A. That depends. We know, for example, that there are people

15 who give certain kinds of answers on personality tests than

16 people who give other kinds of answers. We have no idea what

17 the cause and effect is but that difference is an epi-

18 demiological observation and it has value.

19 Q. Okay. As far as your studies go to show that one fact?

20 A. Yes.

21 Q. Do you have a degree in medicine?

22 A. No, I do not.

23 Q. Do you have a degree in public health?

24 A. No.

25 Q. Was that offered at the time you were in school?

1 A. Not in public health statistics, no.

2 Q. Okay. What kind of medical classes have you taken to assist

3 you in your work?

4 I have taken classes in physiology and enzymology.

5 I've worked with physicians in the California Department of

6 Public Health for about 10 years.

7 Q. What kind of physicians did you work with?

8 A. These were physicians with -- physicians were public

9 physicians. Some of them with certification in pediatrics,

10 some in general medicine, and some in preventative medicine.

11 Q. Did you ever take any anatomy classes?

12 A. No, I did not.

13 Q. Enzymology?

14 A. Yes.

15 Q. Infectious diseases?

16 A. No.

17 Q. Systemic diseases?

18 A. No.

19 Q. What about chemistry?

20 A. I have had courses in inorganic chemistry.

21 Q. What about organic?

22 A. No, I have not.

23 Q. Biochemistry?

24 A. No.

25 Q. Pharmacology?

1 A. No.

2 Q. You related in your deposition that you did a study at Monsanto

3 involving workers exposed to PCB's?

4 A. If I did I was mistaken.

5 Q. Oh?

6 A. The study was done but not by me.

7 Q. Were you involved in that study at all?

8 A. I was involved in reviewing the text of the write-up.

9 Q. Did you ever visit any of those plants?

10 A. Yes.

11 Q. Which plant was involved?

12 A. That was the plant in East St. Louis.

13 Q. Did you come to any conclusions in that study?

14 A. I'm afraid -- I didn't do a study.

15 Q. Okay. You reviewed that study though?

16 A. Yes.

17 Q. Did it reveal anything significant?

18 A. No, it did not.

19 Q. Was it a good epidemiological study?

20 A. Yes. The sample size was small, which was beyond our

21 control, but the study was good.

22 Q. Okay. Was it one of your criteria that you have a large

23 number of people to sample?

24 A. As large as possible.

25 Q. Okay. Could you tell me what kind of people were involved

1 in that study?

2 A. They were people whose job histories indicated that they

3 had worked in the unit in which PCB's were produced.

4 Q. For how long of a period of time?

5 A. I believe one year. That is one year or more.

6 Q. One year exposure?

7 A. Yes. That is one year of employment in the unit in which

8 they were -- in which the material was produced.

9 Q. Okay. They all didn't have the same job then with touching

10 it or sealing it? Some of these could have been truck

11 drivers? Some of these could have been foremen?

12 A. They were hourly workers so they would not have been foremen.

13 Q. Okay.

14 A. They could not have been truck drivers because that would

15 have been reflected in their job title. Since we're looking

16 at exposures in that case before 1966 the people who did the

17 study were limited to the records that were available by

18 their work histories.

19 Q. What kind of records were available?

20 A. A typical work history record. Gives, as a man changes jobs,

21 the date on which he entered the new job, the title of the

22 job, and the location at which the work was performed.

23 Q. Okay. Were all these people the same race? Same sex?

24 A. They were all male. I don't know whether they were all the

25 same race or not.

1 Q Did you know at the time of your deposition?

2 A Not that I can recall.

3 Q Do you know the average daily exposure that these people were

4 exposed to?

5 A No, I do not.

6 Q Do you know the degree of exposure?

7 A No.

8 Q Do you know the route of exposure?

9 A I don't know the route of exposure, no.

10 Q Okay. You think those would be important in doing a good

11 epidemiological study?

12 A If they could be determined they would be important.

13 Q And if not you just forget them and do the study anyway?

14 A The study has value. It may not -- you may not be able

15 to quantify a relationship but if you find nothing the study

16 has some value.

17 Q To who?

18 A To the workers mostly.

19 Q You talked about vinyl chloride. What is the chemical com-

20 position of vinyl chloride?

21 A I don't know.

22 Q Is it similar to polychlorinated biphenyls?

23 A No. It's a much simpler compound because of the molecule.

24 Q What does the molecule look like?

25 A I'm afraid I can't tell you right now.

1 Q What does the molecule of PCB look like?

2 A I can tell you in very general terms.

3 Q That's fine. I'm just trying to see what the similarities

4 between vinyl chloride and PCB's.

5 A The PCB molecule is essentially a couple of benzene rings

6 which are hexagons of carbon molecules attached together

7 by a couple of oxygen atoms and to the periphery of that

8 ring can be attached varying numbers of chlorine atoms.

9 The number of atoms distinguishes between the biphenyl and

10 the triphenyl and the quaterphenyl, and the position in

11 which those atoms are attached determines to some extent

12 the chemical properties of the substance.

13 Q Okay. We were talking about the atoms. You're talking

14 about the placement of the chlorines on the benzene

15 rings?

16 A That is correct.

17 Q Vinyl chloride, that also has chlorine in it, does it not?

18 A Yes.

19 Q And does it also involve benzene rings?

20 A No, it does not. But in addition vinyl chloride is a com-

21 pound -- polychlorophenyl, or class of compound.

22 Q Meaning what? When you refer to a class of compound how

23 are you referring to that?

24 A Oh, of the molecular makeup I described there could be

25 several variations on that I can picture, depending on the

1 number and placement of the chlorines. They would all be
2 called PCB's. In the case of vinyl chloride there is a
3 unique formula and that formula -- and that one only is
4 vinyl chloride.

5 Q. Okay. Would a unique formula be something like Aroclor 1254
6 where you know the placement of the chlorines and the percent
7 chlorination?

8 A. If I recall Aroclor 1254 is a compound defined only by the
9 percent of chlorination so it is not a unique compound.

10 Q. Have you ever done any studies directly related to Aroclor
11 1254?

12 A. No.

13 Q. Do you know what the chemical involved in this case is?

14 A. It is one of the class of PCB's.

15 Q. Do you know which one?

16 A. I believe it's 1254.

17 Q. You testified you reviewed the literature with regard to
18 PCB's. The literature reviewed, does that use consist of
19 epidemiologic studies?

20 A. Yes.

21 Q. And those studies, did they summarize a lot of other studies
22 to get effects?

23 A. A typical -- such study, particularly when they present their
24 results, will refer and compare them to previous studies.

25 Q. Epidemiological or reviewed studies?

1 A. Sometimes both.

2 Q. When you reviewed this literature with regards to the health

3 effects caused by PCB's or halogenated hydrocarbons, did

4 you see any effects that related to the liver?

5 A. Yes.

6 Q. On the liver enzymes?

7 A. Yes.

8 Q. On the immune suppression system?

9 A. No.

10 Q. On dermatitis?

11 A. Yes.

12 Q. Carcinogenicity?

13 A. No.

14 Q. No studies on carcinogenicity?

15 A. You said studies that showed effects?

16 Q. Okay.

17 A. And no, I saw no such studies.

18 Q. Are you familiar with Rene Kimbrough?

19 A. Yes.

20 Q. Did her study show any effects?

21 A. She's never done an epidemiology study on PCB's.

22 Q. Did she do any studies with carcinogenicity?

23 A. If she did they were with animals, which is beyond my

24 province.

25 Q. Okay. You don't know what she found even with regard to

1 animals?

2 A. Only what people have told me.

3 Q. Okay. Did any show effects in increased blood pressure?

4 A. Did any?

5 Q. Any studies you have looked at show an increase in blood

6 pressure?

7 A. Yes.

8 Q. What about fetal toxic effects?

9 A. I'm aware of no studies that directly address this. But

10 the study of Kreiss addressed it by asking the exposed

11 people whether there was any problem.

12 Q. Okay. Reproductive effects?

13 A. The same answer. Kreiss addressed the issue by inquiry of

14 the subjects which she studied.

15 Q. Arthritis?

16 A. Baker addressed that question. Again, by inquiry amongst the

17 people that he studied.

18 Q. Stomach --

19 A. That was also true of the Baker study, yes.

20 Q. Early abortions?

21 A. Kreiss made inquiry in that area.

22 Q. Irregular menstrual cycles?

23 A. I'm not aware of any study.

24 Q. On those symptoms I just listed have you done an epidemiologic

25 study on any of those individually?

1 A. I have not.

2 Q. Do you know how many PCB articles there are in the litera-
3 ture?

4 A. No.

5 Q. Do you have any idea?

6 A. If one includes animal work I imagine it must be several
7 hundred.

8 Q. Do you know how many there are, including halogenated hydro-
9 carbons in general?

10 A. No, I don't.

11 Q. Could you venture a guess?

12 A. I'm afraid not because it's such a wide class of chemicals
13 I have no idea.

14 Q. Would you agree there are thousands of articles on that?

15 A. There might be.

16 Q. How many did you review for today?

17 A. Twenty-two.

18 Q. Doctor, when you talked about a paper, or talks you gave,
19 was one entitled, "The Epidemiology of PCB's," by William
20 R. Gaffey, Monsanto Company, September, 1981?

21 A. That's correct.

22 Q. Was that the study you also, or talk, you gave at Michigan
23 State University?

24 A. No. At the Michigan State University I looked at the study
25 done after 1978 in somewhat more detail and reviewed those.

1 Q. Were those all industrial exposures?

2 A. No. They included the studies by Baker and Kreiss, which

3 in the first case were mostly community people and the

4 second case entirely community people.

5 Q. How were those community people exposed?

6 A. In Baker's case he looked at some people who used municipal

7 sludge in their gardening. This sludge had an elevated level

8 of PCB's. He had a second group, I think, who were, I be-

9 lieve relatives of PCB workers or families and third, he

10 had a general community group.

11 In the case of Kreiss, he looked at people in the

12 community which consumed fish that had unusually high levels

13 of PCB's.

14 Q. And where was the location of that study?

15 A. It was in Trinana, Alabama.

16 Q. Where were they getting the fish from that they were con-

17 suming?

18 A. I can't tell you the name of the river but it was fish

19 caught locally and consumed in a local river. I don't know

20 the name.

21 Q. Was it near Anniston?

22 A. I have no idea.

23 Q. Does Monsanto have a plant in Anniston?

24 A. Yes.

25 Q. Doctor, are you familiar with the paper I have just mentioned?

1 A. Yes.

2 Q. Okay. I just wanted to ask you a few questions as I was
3 going through it.

4 A. Sure.

5 Q. I wonder if you would answer those for me? How far back does
6 the literature go in talking about effects of PCB's in
7 humans?

8 A. I don't know. If you ask me about an epidemiology study,
9 you know.

10 Q. Okay. Epidemiological?

11 A. I think the first -- let's see, there was a report by a
12 Meigs, W. Lester Meigs, which was not strictly an epi-
13 demiological study but nevertheless ended up looking at
14 risk. That was sometime before the Yusho incident. I
15 believe it may have been in the '40's.

16 The rest of the epidemiology studies essentially followed
17 the Yusho incident so they ran from about 1969 on up.

18 Q. Okay. When you did this paragraph on PCB's you entitled it,
19 "The Epidemiology of PCB's," but I notice throughout
20 the article you in your bibliography you refer to articles
21 going back in the '30's?

22 A. Yes. If I'm not mistaken what I said at the beginning is
23 that this is an example of the things that are not epi-
24 demiology, although they may be useful in guiding subsequent
25 studies. I started it by saying I wanted to distinguish

1 between epidemiology and clinical reports such as, and
2 it gave a couple of examples that found their way to my
3 bibliography.

4 Q You are aware of clinical reports that have been done on
5 the effects of PCB's?

6 A. Oh, yes.

7 Q When was the first clinical effect that you are aware of
8 with regard to exposures to PCB's noted?

9 A. I believe there was one in the '30's, although it's a little
10 bit hard to say. The substance referred to was halo wax.
11 I know about that because it as an example --

12 Q Halo wax is a halogenated hydrocarbon?

13 A. I'm afraid I know it only as halo wax because I didn't
14 read the study after I found out that it was a clinical
15 report.

16 Q Did you cite that in your publications?

17 A. I cited the study as an example of something that was not
18 an epidemiology study.

19 Q But you didn't read that study before you put it in here?

20 A. Oh, I skipped through it and found it said things like a
21 report of some people got sick. Well, that was sufficient
22 to identify it as not being an epidemiology study.

23 Q Why was that?

24 A. Because there was no attempt to look at the people who did
25 not get sick which were similarly exposed.

1 Q Did the article say anything about the people that did not
2 get sick who were exposed?

3 A No, it did not. It did not. This was a clinical descrip-
4 tion of people who got sick and so it was of no assistance
5 to me in looking at matters of risk.

6 Q So if you see a lot of articles which show exposure and some
7 clinical signs or some injuries, unless they show a control
8 group you don't consider it?

9 A I think it might be a good reason to do an epidemiology
10 study.

11 Q Okay. If you were seeing the signs back in the '30's or
12 '40's, you think that might be a good reason to start an
13 epidemiological study?

14 A If I saw them and if there were any epidemiologists around.
15 Q Were there back then?

16 A Not many.

17 Q Do you know what kind of or types of effects were noticed
18 in the literature in the '30's and '40's?

19 A No, I do not.

20 Q You also talked about in your studies that you did they
21 fell into three categories. One was a relationship between
22 exposure to PCB's and the resulting body burden of PCB's
23 in serum or adipose tissue?

24 A Yes.

25 Q Then you talked about the relationship between the level of

1 exposure and the body burden. If those can't be verified
2 the interpretation of the studies become difficult if not
3 impossible?

4 A. That's correct.

5 Q. So in other words, what we need is some way to verify the
6 body burden of PCB's with the exposure?

7 A. No. That was already verified by the studies that I review-
8 ed.

9 Q. What? The body burden?

10 A. The studies that I reviewed showed that the greater the
11 exposure the greater the body burden.

12 Now, if they had failed to show that I would have said,
13 well, we're wasting our time in all these epidemiology
14 studies because if I can't show that people who are occupa-
15 tionally involved in PCB's have a higher body burden there's
16 no point in doing the study. But the material I reviewed
17 showed in fact there was a relationship so it just justified
18 going on and looking at these other studies.

19 Q. What kind of exposure is needed to get a body burden of,
20 let's say, 10 ppm adipose tissue?

21 A. I have no idea.

22 Q. What about 50 parts per billion serum?

23 A. I don't know. Except the officiators in the Triananl popu-
24 lation at the older ages had levels of around 60 parts per
25 billion, if I recall correctly.

1 Q. In their serum?

2 A. In their serum.

3 Q. What about their adipose tissue?

4 A. No adipose tissues were made.

5 Q. Do you know what the Haleys' adipose levels are?

6 A. No, I do not.

7 Q. Do you know what their serum levels are?

8 A. I know Mr. Haleys' was 50 parts per million.

9 Q. Do you know Mrs?

10 A. No, I do not.

11 Q. You gave an opinion, Doctor, on whether they are at an in-

12 creased risk based upon their serum levels and their fat

13 levels and knowing what their body burden is. How could you

14 give an opinion without knowing that?

15 A. I don't believe I gave an opinion based on their fat levels.

16 And what I said, I did not know what Mrs. Haley's level was.

17 I know that it was lower than that of her husband, but I don't

18 know precisely what it was. So I'm dealing here with a

19 situation in which the serum PCB levels are 50 parts per

20 billion or less.

21 Q. Have you seen any documentation to that effect?

22 A. No.

23 Q. Did you take into consideration what they have in their

24 adipose tissue?

25 A. No, I have not.

1 Q. Why don't you do that?

2 A. Because practically none of the epidemiology studies on

3 which I base my opinions have measurements of adipose

4 tissue.

5 Q. Okay. In your paper you talk about two epidemiology studies

6 of accidental exposures were reported. You talked about

7 Meigs who in 1954 described an outbreak of chloracne in a

8 plant in which a process changed introduced an unspecified

9 PCB compound into the work environment.

10 First of all what is an unspecified PCB compound?

11 A. I don't know. I believe I quoted Meigs.

12 Q. Okay. You said seven of fourteen exposed workers developed

13 chloacne.

14 A. Yes.

15 Q. But liver function tests were normal in six of these with

16 some borderline abnormalities in the seventh?

17 A. That's correct.

18 Q. What about the other seven workers?

19 A. I don't believe Meigs examined any except those who got

20 chloracne.

21 Q. So you're saying seven out of the fourteen had -- or six

22 of the fourteen had some normal liver function tests, the

23 seventh was borderline abnormalities, and you're saying he

24 didn't study the other seven if they didn't get chloracne?

25 A. He didn't report on the other seven.

1 Q. Do you consider that a good epidemiological study? He
2 didn't look at the other seven? Reports on half having
3 normal liver, and just ignoring the other half?

4 A. In my opinion the ones that got chloracne probably had a
5 higher exposure than the ones that did not. I would rather
6 that he looked at the whole group but I'm convinced that he
7 looked at the group with the higher exposure. The 50
8 percent who had the higher exposure.

9 Q. Did you ever talk to Meigs?

10 A. No.

11 Q. Just looked at his paper?

12 A. That's all.

13 Q. And your conclusions are from his paper?

14 A. That's correct.

15 Q. And you don't know the PCB compound he is talking about?

16 A. No, I do not.

17 Q. You don't know for sure if the other six people then --
18 whether they had abnormal liver function or not?

19 A. No, I don't.

20 Q. You also said that in here, talking about the Yusho incident,
21 they showed elevated serum triglyceride levels, lower
22 serum cholesterol, and serious cases elevated SGOT and SGPT
23 levels in serious cases?

24 A. I believe that's correct.

25 Q. Do you know what the SGOT and SGPT tests are for?

1 A. They test the induction of the various liver enzymes.

2 Q. Have you ever seen any epidemiological studies that tested

3 these liver enzymes?

4 A. No.

5 Q. Do you know that PCB's do effect liver enzymes?

6 A. In certain cases, yes.

7 Q. Do you think that would be an element to look at when you

8 do a study then to find the liver function?

9 A. Oh, yes.

10 Q. You also talked about the percentage of cancer deaths being

11 35.4 predictor in which the deaths occur and you qualified

12 that by saying it wasn't useful for several reasons?

13 A. Yes.

14 Q. But at that time was there cause for concern, do you think,

15 about the effects of PCB? Whether they did cause these

16 effects?

17 A. My gosh, no. The cases -- the situation that you have

18 described, all of these deaths were reported as of approxi-

19 mately 10 years after the PCB incident. There's no indica-

20 tion in the report which I read of when those deaths occurred.

21 They may have occurred any time over that 10 year period and

22 even if they had occurred at the end of that 10 year period

23 that latent period is shorter than what most people would

24 accept as being reasonable for suspecting PCB's as a cause

25 of cancer.

1 Q. How long a latent period do you think we'd have to look
2 at PCB's for a cause of cancer?
3 A. I'd say 15, 20 years.
4 Q. What is the latency period in other chemicals? Asbestos?
5 A. If I recall it's 15, 20 years. It's longer for some. Longer
6 for mesothelioma. It's 30, 40 years. For the average
7 latent period I'm talking about.
8 Q. Do you know whether it's been reported what the latency
9 period is for PCB's?
10 A. Since they're not carcinogenic they have no latency period.
11 Q. That's in your opinion?
12 A. That's in my opinion. But that aside, I've never seen any
13 reference to latency periods for PCB's.
14 Q. Have PCB's been declared carcinogenic in animals by any
15 governmental agencies?
16 A. Yes.
17 Q. Does that lead you to conclude there might be a latency
18 period?
19 A. In animals.
20 Q. In your paper you didn't say anything about PCB's not being
21 a carcinogen in humans, but you did make the statement after
22 you -- less than 10 years cannot be calculated on the death
23 of those people. Then you went on to say that this may well
24 be too short a period of cancers for exposure to show up.
25 Did you have an idea that PCB's were carcinogenic?

1 A. No. I said if they were then I'd expect a latent period
2 similar to that of other carcinogens, so I would want more
3 than 10 years.

4 Q. If PCB's are considered carcinogens for animals do we nor-
5 mally consider they are suspect for humans or should be
6 treated as such?

7 A. Depends on the analytical evidence. And it also depends
8 on what you mean by suspect. Certainly the international
9 agency for research in cancer has classified PCB's as an
10 animal carcinogen but considers the human evidence inadequate.

11 Q. Do they also say it's a suspect human carcinogen?

12 A. Yes. But I just defined what we mean by suspect carcinogen.

13 Q. Do you know what they mean when they talk about a carcino-
14 genic risk?

15 A. Are you talking about --

16 Q. The one you have been talking about.

17 A. Yes, I believe I did.

18 Q. What is the definition they used?

19 A. I can't tell you right offhand.

20 Q. Maybe I can help you and you can tell me if it sounds
21 familiar. The probability that exposure to the chemical
22 will lead to cancer in humans?

23 A. That seems a reasonable definition. Certainly.

24 Q. Do you recognize the International Agency for Cancer as
25 reliable?

1 A. In areas to which they have addressed themselves. Although,
2 I have criticisms of some of their work.

3 Q. You don't think they're an agency -- you mean in the cancer
4 area? I'm not talking about the other work that they do.
5 I'm talking about the cancer aspect.

6 A. So am I.

7 Q. All right. So they are qualified in the cancer area?

8 A. No. I say I object to some of the work they have done in
9 that area. Particularly with respect to PCB's.

10 Q. All right. Are you aware of when we talk about the relation-
11 ship between PCB's in blood levels, are you aware of besides
12 the Kreiss study any others?

13 A. There a number of Japanese studies of Japanese PCB workers
14 that have measured blood levels. I can't recall offhand
15 to what extent. They also measure air levels so as to get
16 a comparison.

17 Q. Are you familiar with Dr. Humphrey's work in the State of
18 Michigan?

19 A. Yes.

20 Q. Are you familiar with his work in the area of the silo farmers
21 and fish eaters?

22 A. I'm familiar with his work on the fish eaters.

23 Q. You were at that seminar at Michigan State? The symposium
24 on PCB's? Were you there when Dr. Humphrey gave his presen-
25 tation?

1 A. I think I was.

2 Q. And relating the incidences of cancer, they found up to

3 that point between the fish eaters and the silo farmers?

4 A. He didn't find any in the fish eaters.

5 Q. How about the silo farmers?

6 A. If I recall he said he found a small amount or at least

7 he found a preliminary -- I don't recall any detail.

8 Q. You don't recall the numbers?

9 A. No, I don't. Because I would have expected this to be

10 published and we don't -- you know, here we have something

11 that had it been developed it would have been published.

12 Q. You never saw the published form of that then?

13 A. No, I have not.

14 Q. Have you looked for it?

15 A. Not recently, no.

16 Q. Does higher exposure to PCB's mean a higher body burden?

17 A. On the average I believe it does.

18 Q. We talked about the Haleys' fat levels here. I don't know

19 if I told you exactly what they were. Do you know what kind

20 of exposure they had been subjected to?

21 A. No, I don't. Except in the most general terms.

22 Q. Do you know how long their exposure was?

23 A. No, I do not.

24 Q. Do you know the dose they were getting?

25 A. No.

1 Q. Doctor, at the end of your paper you kind of summarized the
2 PCB epidemiological studies other than mortality.
3 A. Yes.
4 Q. In the summary of findings you listed 17 studies, and they
5 had all the studies listed, and then you had three areas of
6 findings. Dermatologic; physiological; symptoms and illness;
7 and others. Excuse me, four. What are the others?
8 A. If I recall -- let me see the symptoms and illness -- I think
9 I classified and others a study that found altered pulmonary
10 function levels which, if I'm not mistaken, I couldn't
11 decide whether that was a symptom or an illness.
12 A. If there's a blank in there does that mean there wasn't
13 anything looked at in that area?
14 A. That's correct.
15 Q. Okay. And out of these 17 studies here, how many out of
16 the 17 found dermatologic findings?
17 A. Eight.
18 Q. How many found physiological parameters?
19 A. Ten.
20 Q. What about symptoms and illness?
21 A. Two.
22 Q. And others which you referred to as pulmonary functions,
23 how many did they find?
24 A. One.
25 Q. And how many times was that looked at in there?

1 A. Once.

2 Q. How many studies did you list in the back of that booklet

3 which showed the inconsistencies in the study of cancers

4 in PCB exposed to populations of findings?

5 A. I believe in the earlier paper there was four.

6 Q. Is there an update to this?

7 A. No. Except one of them -- this one was withdrawn, you see.

8 Q. Do you know if that was because she died, or was it because

9 of some other reason?

10 A. It was withdrawn approximately two years before she died

11 and the NIOSH criteria document on PCB's states it was

12 withdrawn because there were doubts about the accuracy of

13 the classification of the exposed population.

14 Q. Who withdrew it? NIOSH or Bahn?

15 A. Bahn or her representative. I don't know which.

16 Q. Do you know what she died of?

17 A. She had a stroke.

18 Q. On these four studies here, how many were studied in the

19 Bahn study?

20 A. There were 92.

21 Q. What were the findings?

22 A. An excess melanoma.

23 Q. What is a melanoma?

24 A. It's a type of skin cancer. Most skin cancers are not

25 really serious. Melanomas is the type which is and can be

fatal.

1 Q Are there any skin cancers, if you know?

2 A I beg your pardon?

3 Q Are there any skin cancers that are not serious?

4 A You get them by suntan and the majority are treatable in
5 a doctor's office and not lethal. Might consider the price
6 of the suntan.

7 Q What about the study by Zack and Musch? That was, as you
8 said, Monsanto's employees?

9 A That's right.

10 Q How many people did they study?

11 A Nine.

12 Q And what were their findings?

13 A The only excess was one of lung cancer. I forget on how
14 many cases it was based but it was not statistically signi-
15 ficant.

16 Q What about Brown-Jones? How many did they study?

17 A A large number. Two thousand five hundred sixty-seven.

18 Q What kind of cancers did they find?

19 A Liver and rectum.

20 Q What about Bentazzi? How many she study?

21 A I think it was a he, but anyhow it was 1,310 people.

22 Q What kind of cancer did they find?

23 A Digestive, hemaphic and hemaphoric, lukemia and lothorium. (sic)

24 Q Do you agree with any of those studies and their findings?

25 A Well, I agree with most of them.

1 Q. Okay.

2 A. Because they said further investigation is necessary. We
3 don't think this means much.

4 Q. Okay.

5 THE COURT: At this hour, Mr. McGraw, we'll take
6 our recess.

7 Members of the Jury, retire to the Jury Room. We will
8 call for you in about 15 minutes.

9 (Whereupon at 10:30 o'clock A.M., a recess was
10 taken.)
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 THE COURT: Mr. Mc Graw?

2 MR. MC GRAW: Thank you, Your Honor.

3 Q (By Mr. Mc Graw, continuing:) Doctor, when we left off we
4 were talking -- we had just left Bentazzi's study you had review-
5 ed.

6 I wanted to ask you, have you ever heard of a publication
7 from the U.S. Department of Health and Human Services, Public
8 Health Service, which was put on by the National Toxicology
9 Program at Research Triangle called Carcinogens?

10 A. No, I have not.

11 Q. Maybe I can show you the book and you might recognize it?

12 A. Not offhand, I'm afraid.

13 Q. The participants for that were the Department of Health and
14 Human Services, National Toxicology Program, Centers for
15 Disease Control, Consumers Product Safety Commission,
16 Environmental Protection Agency, Food and Drug Administra-
17 tion, National Institutes of Health, National Cancer In-
18 stitute, National Institutes of Health, National Institutes
19 of Environmental Health Sciences, National Institutes of
20 Health, National Library of Medicine, Department of Labor,
21 Occupational Safety and Health Administration.

22 Do those seem like an impressive list of people that
23 would have some knowledge in this area?

24 A. Extremely so.

25 Q. Do you think that booklet may be something you would want to

1 consult when you are looking at carcinogenic data?

2 A. My recollection is that the National Center for Toxicological
3 Research confines itself to animal investigations.

4 Q. So what you are saying is there is no inclusion of humans
5 mentioned in this booklet?

6 A. I don't know, but on the face of it I would have said that
7 since it occurred at the locality of the NCTR, I would have
8 expected it to be concerned with animal work.

9 Q. Do you have anything to do with estimating risk reduction
10 as an epidemiologist?

11 A. No.

12 Q. Do you know whether EPA has included PCB's on its list of
13 carcinogens?

14 A. It has been ordered to ban PCB's because of the passage of
15 the Toxic Substances Control Act.

16 Q. Which states what?

17 A. It states that PCB's are carcinogens.

18 Q. Does that contradict what you said earlier that you don't
19 think PCB's are carcinogens?

20 A. Yes.

21 Q. Do you have an opinion now whether they are carcinogens or
22 not?

23 A. They are not.

24 Q. They are not?

25 A. (Witness nods head.)

1 Q. You disagree with all those agencies?

2 A. Yes. That is, I disagree with what you have implied to be
3 the position of those agencies. I don't know what their
4 position actually is.

5 Q. Okay. What kind of people are involved in those agencies?
6 Are they medical doctors, toxicologists?

7 A. It's impossible to give a simple answer.

8 Q. They cover a broad range of spectrums?

9 A. If you included NIOSH, they included epidemiologists.

10 Q. Is NIOSH the only one that includes epidemiologists?

11 A. No: NIEHS -- well, I take that back. EPA may have had
12 some epidemiologists -- what is the date of that conference
13 please?

14 Q. December, 1981.

15 A. They may have had an epidemiologist or two at the time of
16 that.

17 Q. Do you know what those epidemiologists had to say about
18 PCB's and carcinogenicity?

19 A. No, I do not.

20 Q. Doctor, you said that the Japanese scientists concluded in
21 the -- I suppose we're talking about Yusho when you are talk-
22 ing about Japanese scientists, rather than the Taiwanise
23 incident.

24 A. The reference that I made to the 1984 paper, the Japanese
25 scientists looked at data from both Yusho and from the

1 Taiwan incident.

2 Q And, in your opinion, they concluded that PCDF's were the

3 active toxin?

4 A. That is correct.

5 Q How are PCDF's manufactured?

6 A. First of all, I don't believe they are. I believe they're

7 contaminants, but I don't know how they're produced.

8 Q Are they contaminants of PCB's?

9 A. They have been of some PCB's.

10 Q Of Aroclors?

11 A. I don't know.

12 Q Cantaclors?

13 A. Yes.

14 Q Do you know the percentage of chlorine that was involved in

15 the Yusho incident?

16 A. No, I do not.

17 Q Did these studies that the Japanese scientists reported on,

18 did they look at the blood serum?

19 A. Yes.

20 Q And that was in parts per billion?

21 A. That is my recollection.

22 Q Well, how was blood serum normally reported?

23 A. Parts per billion.

24 Q Did they look at the adipose tissue?

25 A. If they did, I have no recollection of it.

1 Q. Your opinion is based on -- you said that the blood serum
2 levels had declined as far as PCB's went?
3 A. Yes.
4 Q. But PCDF's were still present?
5 A. They had also declined but were still present.
6 Q. Are PCDF's lipophilic as are PCB's?
7 A. I believe they are.
8 Q. So they also would be in the fat then?
9 A. I would expect so.
10 Q. Do you think, if they were measuring this decrease in PCB
11 blood levels, that they should also look and see if there's
12 a decrease in the PCB blood -- or, excuse me, tissue levels?
13 A. Probably would be a good idea.
14 Q. Which do you consider more reliable or do you have --
15 A. I have no opinion on that. I think that would be a medical
16 matter.
17 Q. You also talked about three different studies, one was heart
18 disease.
19 What study was that?
20 A. First there was the Kreiss study in which she queried
21 people about presence of heart disease. But in the long-
22 term mortality studies that were primarily interested in
23 cancer, the very nature of the study requires you to get
24 information on all causes of death.
25 So the data published in those studies, although the

1 authors may choose to talk about cancer, involves cal-
2 culating observed and expected mortality from heart disease
3 as well. And these studies then constitute additional
4 evidence.

5 Q. Okay. What was the other -- was the other study Smith or
6 was that hypertension?

7 A. The other study with respect to --

8 Q. Heart disease.

9 A. Kreiss is the only I remember other than the mortality
10 studies.

11 Q. I think what you have referred to, and I have down, is the
12 second, is the study that you said with two million people
13 talking about --

14 A. I'm sorry, you're right. I referred to it as a study. It's
15 in fact national statistics for the United States.

16 Q. And what is that?

17 A. Mortality is routinely calculated for most of the causes of
18 death for the United States population including heart
19 disease and some of its major subdivisions such as hyper-
20 tension.

21 Q. And you indicated with the widespread use of PCB's and that
22 heart disease had gone down?

23 A. Yes.

24 Q. Does that lead you to think that PCB's are beneficial for
25 heart disease; that anybody with a heart disease should drink

1 a gallon of PCB's and --

2 A. No. For one thing it would be a formidable accident, but --

3 Q. A formidable accident?

4 A. Laxative.

5 Q. Laxative. Okay.

6 PCB's act as a laxative, too? I haven't read that one.

7 A. It's actually hearsay. I have no firsthand evidence.

8 No, I think the significance of that drop in cardio-
9 vascular disease is that there is certainly no widespread
10 problem from the widespread PCB distribution other than the
11 one that you suggested as a potential one.

12 Q. I haven't suggested that one yet.

13 The studies in hypertension, you said they were con-
14 tradictory at this time?

15 A. Yes.

16 Q. Are there studies that show that hypertension are produced
17 or aggravated by PCB's?

18 A. Yes, there is one study, not -- I'm sorry, I take that back.
19 There is a study which says that hypertension is associated
20 with PCB's, but this was a study in which the population was
21 exposed not only to PCB's but to DDT, and that is the only
22 study that shows this association.

23 Q. Do PCB's have a synergistic effect, meaning the PCB's and
24 DDT's are they going to interact with each other?

25 A. I wasn't suggesting a synergistic effect, I was suggesting

1 that DDT could be the causative agent. I don't know whether
2 there is any syngerism involved with PCB's and any other
3 substance.

4 Q. Can you rule out the PCB's as a causative agent in any of
5 these studies entirely?

6 A. Are you talking about as a cause of hypertension?

7 Q. As a cause of hypertension, heart disease, triglycerides?

8 A. Proving a negative is difficult, but certainly if I came to
9 these studies with no advance opinions about PCB's, I would
10 find no reason in the studies to say that they had anything
11 to do with any of these conditions.

12 Q. Where did you get your advanced opinions regarding PCB's?

13 A. Many of them came from animal work, some came from the Yusho
14 incident.

15 Q. You have looked at a lot of animal work then?

16 A. No, no, I haven't. But people who have done these studies
17 have referred to animal work as the material that generated
18 their suspicions.

19 Q. Do they extrapolate these animal studies into human studies?

20 A. No. What they say is this is what was found in animals, let's
21 look and see if we find this in humans.

22 Q. Do they relate the direct effects like if an animal does
23 one thing, is a human going to do the same thing?

24 A. Some people have conducted investigations based on that
25 assumption.

1 Q Are they reliable?

2 A The investigations are reliable. The assumptions I think
3 are frequently shaky.

4 Q Say, if you have a rat that is ingesting PCB's or being
5 dosed with PCB's, can you extrapolate that to a human?

6 A I have opinions, I'm not an expert.

7 Q Well, let me --

8 A And I would say it depends, it depends. I've been told
9 that, for example, a rat's liver is quite an unusual organ
10 compared with the human liver. I would suspect -- I per-
11 sonally would be suspicious of extrapolations based on the
12 liver. There are other areas in which I might not be.

13 Q What about taking a rat study and taking the rat that is
14 this big, making it weigh a hundred pounds.

15 Would you extrapolate to that?

16 A Oh, I think that is a very useful exercise because that
17 tells me what the consequences of my assumption would be
18 in terms of human beings.

19 Q So you'd extrapolate and then you'd know what the effect
20 on a hundred pound rat is and you'd know the effect on
21 humans?

22 A I'd be surprised if 500 pounds -- you see, all the evidence we
23 have is certainly with respect to carcinogenicity, that
24 human beings appear to be relatively cancer proof.

25 Q Cancer proof?

1 A. Cancer proof. The extrapolations that you are talking about,
2 I think the question is --
3 Q. They stay in the realm of the animal world?
4 A. I certainly wouldn't want to pass any regulations based on
5 that extrapolation.
6 Q. Based upon a hundred pound rat?
7 A. But I might find it very informative in terms of what I can
8 think or believe about a human being.
9 Q. Do you know sometimes that there are ants that carry a
10 hundred times their weight?
11 A. Yes.
12 Q. Do you know any humans that can carry a hundred times their
13 weight?
14 A. No.
15 Q. Doctor, have we had an increase of cancer over the past 30
16 or 40 years?
17 A. Yes and no.
18 Q. Well, I will let you explain that.
19 A. There's been a spectacular increase in lung cancer and slight
20 decrease in the totality of cancers other than lung.
21 Q. What is the slight decrease?
22 A. I'm guessing, because I'm remembering graphs that I have
23 seen, but if you talk about the last 40 years, I would guess
24 it's been three or four, five percent, something like that.
25 Q. So every other -- just lung cancer is the only one that has

1 increased and every other type of cancer has decreased?

2 A. No, because within that totality of other cancers, some

3 specific ones, like stomach cancer, have gone down, others

4 --

5 Q. There's been an increase in colon-rectal cancer.

6 A. I thought it had gone down, but, I'm not sure. I know that

7 some cancers, like cancer of the pancreas, have gone up.

8 Q. Cancers of the liver?

9 A. They're about constant. No, they're actually slightly

10 decreasing. Malignant myeloma has gone up. That's one of

11 that lymphatic cancer group, although the National Cancer

12 Institute speculates that there may be a change of diagnosis

13 and that may in fact be a reason why some of the other

14 rare cancers have gone down, that there's been a shifting of

15 --

16 Q. So some of those others might go up and this one might go

17 down?

18 A. There is speculation. I'm not aware that there is any

19 evidence one way or the other.

20 Q. How many types of cancer are there?

21 A. That's very difficult to answer because if we say that lung

22 cancer is one type of cancer, an expert will tell you that

23 there are several different cell types within that. And

24 so I know that a pathologist would deny that there were --

25 was such a type as lung cancer. And so --

1 Q Let's talk, you and I, let's talk generally. We don't know
2 about B cells and T cells in the lung. Let's just talk
3 about the different organs.

4 How many different organ cancers are there?

5 A I would have to guess because my knowledge is pretty much
6 limited to the causes which are common enough so that rates
7 are published, and there would be about 10 or 15 of those,
8 I would say.

9 Q What kind of cancers have PCB's been associated with?

10 A None.

11 Q None? No studies have dealt with cancer?

12 A There have been several studies of cancer in people exposed
13 to PCB's. I know of none of them that has come to a con-
14 clusion that PCB's have caused any of the cancers that they
15 have looked at.

16 Q So unless there is a direct -- one paper comes out and says
17 PCB's cause this cancer, you aren't going to believe any
18 of those papers yet?

19 A That is not true. Because if I had seen four papers, all of
20 which had shown an excess of the same type of cancer, even
21 though the authors individually might not have said anything,
22 I would have been convinced by the accumulation of evidence,
23 and I would be willing in a situation like that to say for
24 all practical purposes this causes cancer even though each
25 of the four authors individually wasn't able to reach that

1 conclusion.

2 Q. Are those four cancer studies that you listed in the back
3 of your papers as the only ones that you know of?

4 A. Yes.

5 Q. So what we need is three more studies on each one of those
6 and that would give you four studies for each melanoma,
7 four studies for a lung cancer?

8 A. In that case I would be overwhelmed.

9 Q. When you were talking about the background levels, and I
10 referred to the U.S. cancer rates by county in the background
11 rate for those plants, and I forget where they are --

12 A. They were on the border of New York and Connecticut, in
13 that general area, as I recall.

14 Q. Would you have to look at the background rates for those
15 counties and then compare it to the study Brown-Jones
16 did?

17 A. What I would actually do is get the best estimate I could
18 of the counties in which the employees worked. That would
19 probably be a small group of counties surrounding the plant.

20 I would then, in an ideal situation, aggregate the rates
21 for those counties and use them -- and use that as my basis
22 for comparison.

23 Q. What counties did those employees work in at those two
24 individual plants?

25 A. I don't know because that wasn't given in the report. It

1 would probably only be available by looking at plant records.
2 Q. So when they did that study, they used a U.S. background
3 rate of cancer?
4 A. Yes, that's correct.
5 Q. And you are saying that had they used the counties it would
6 have been lower?
7 A. Yes.
8 Q. But you don't know which counties?
9 A. I know that the counties will be within that group that have an
10 above average rate. I don't know --
11 Q. Every county will be in that area?
12 A. No, I can't guarantee that. But that factory is in the
13 center of a group of counties that are high. I can't
14 guarantee that some workers may not commute from outside that
15 area.
16 Q. Was one plant in Massachusetts?
17 A. I think it was.
18 Q. Instead of Connecticut?
19 A. I think the other one -- the one I'm thinking about, I
20 believe, was in upper New York State.
21 Q. One is in New York and one is in Massachusetts?
22 A. One was in New York, I know, because that was the one that
23 was in the high area.
24 Q. The other one might have been in the low area?
25 A. It might have been, yes.

1 Q So then that's your opinion of -- that study might change
2 then depending on the cancer rates in that county?
3 A Well, except that the one that might have been in the low
4 area didn't have an excess compared with the United States.
5 It might have changed, yes.
6 Q Doctor, have you ever testified for or on behalf of Monsanto
7 before?
8 A Yes.
9 Q When was that?
10 A Approximately four months ago.
11 Q And what did that involve?
12 A It involved testimony as to what records Monsanto had or had
13 not received in connection with another lawsuit.
14 Q What substance did it involve?
15 A It didn't involve a substance, it involved a plant, and an
16 assortment of substances made in that plant.
17 Q What was the suit about? Did it involve PCB's?
18 A No.
19 Q What was your testimony in that regard?
20 A I testified that we had not received raw data from a con-
21 sultant regarding physical examinations that he had made on
22 some of our employees.
23 Q What were these examinations being made for?
24 A In connection with litigation. These employees were liti-
25 gants and some of those had previously been studied because

1 we wanted to evaluate possible long-term effects of some of
2 their exposures at that plant.

3 Q What were they being exposed to at that time?

4 A Dioxin.

5 Q Where is that plant?

6 A West Virginia.

7 Q Is that still in trial right now?

8 A Yes.

9 Q Did you testify in a trial or in a deposition?

10 A It was testimony before a magistrate appointed by the
11 judge to settle this particular issue of what records we
12 had or had not received.

13 Q Okay. Did you testify any other times for or on behalf of
14 Monsanto?

15 A I have given depositions.

16 Q In what kind of cases? Let's start, if you can do it
17 chronologically, for us, starting with the beginning, the
18 first time you testified for Monsanto and work your way
19 forward.

20 A It would have been approximately two or three years ago
21 in connection with a suit in Beaumont, Texas, involving
22 plaintiffs who alleged their leukemia was caused by benzine
23 from one of a number of defendants, including Monsanto.

24 Q Does benzine cause leukemia?

25 A In doses large enough to cause clinical illness it's generally

1 agreed that it does. In smaller doses there doesn't appear
2 to be any evidence.

3 I take that back, the evidence is equivocable.

4 Q Did that have to do with a playground?

5 A Not that I know of.

6 The second deposition, I believe it was about, again,
7 year and a half, two years ago. It was in connection with
8 an EPA suit in which Monsanto was a defendant. It regarded
9 -- it concerned PCB's present in Waukegan Harbor.

10 Q What was your testimony in that case?

11 A I was asked to -- I'm trying to remember. I was asked to
12 review data that had been obtained by FDA on PCB levels of
13 fish, and to look at the time trends in those PCB levels.

14 As I recall, the data showed a steady decrease in the
15 PCB levels and that for most exposures of fish at the time
16 of the most recent measurement, those levels were below the
17 FDA limits.

18 This is my recollection, although I may not be precise.

19 Q What were your opinions called for in that case?

20 A Whether or not there was a clear and present danger of
21 PCB's in that situation.

22 Q And I take it you testified on behalf of Monsanto that it
23 wasn't?

24 A I testified that the situation was getting better and that
25 by FDA's own ground rules there was no problem.

1 Q. So the answer would be yes?

2 A. Yes.

3 Q. In the benzine case, what was your opinion asked for there?

4 A. As to the -- again, my best recollection is as to the

5 sequence of events -- in other words, what was involved in

6 the benzine exposures measured in the literature that caused

7 leukemia. And the data that were involved were -- there were

8 studies in Turkey and Italy of people in the shoe and leather

9 trades, and the benzine levels that were measured there

10 appeared to be about 10 to 20 times as high as any of the

11 exposures alleged by the plaintiffs in the case.

12 Q. And your testimony went to the effect that there would be

13 no effect by Monsanto's benzine on these people?

14 A. That there were no data to that effect, that the existing

15 data were not relevant to the levels that were at issue in

16 the case.

17 Q. What other deposition or testimony had you given?

18 A. In connection with litigation over a spill from a tank car in

19 Sturgeon, Missouri, a case in which Monsanto was co-defendant

20 with several other companies: the railroad, the manufacturer

21 of the tank car, and so on.

22 Q. Did this involve PCB's?

23 A. No.

24 Q. What did that involve?

25 A. It involved one of the chlorophenyls. I forget which one.

1 Q Was it a chlorinated hydrocarbon?

2 A Yes.

3 Q What was your testimony in that case?

4 A That I had not worked at Monsanto at the time of the incident

5 and that I had never conducted any studies of litigants or

6 of the area in which the spill occurred.

7 Q So why did they have you testify then?

8 A I wasn't allowed to ask.

9 Q You didn't testify, oh. You didn't testify?

10 A I gave a deposition. This was a deposition.

11 Q Oh, your deposition was just -- you didn't know anything?

12 A That's right.

13 Q Going back to benzine, do you know how PCB's or the aroclors

14 are made?

15 A No, I don't.

16 Q Do you know if benzine is part of that process?

17 A I don't know.

18 Q Did that cover all your litigation experience with

19 Monsanto?

20 A Except the deposition that you took.

21 Q Okay. And that was a short one?

22 A Yes.

23 Q The one at Waukegon, was that the outboard marine?

24 A Yes.

25 Q How long have you been employed by Monsanto as of today?

1 A. Four years and seven months.

2 Q. I take it you are on a salary and you receive some fringe
3 benefits as a part of your compensation?

4 A. That's correct.

5 Q. Do you have a pension plan with Monsanto?

6 A. Yes.

7 Q. Is that contingent upon any factors?

8 A. No.

9 Q. How long do you have to work for Monsanto before your pension
10 is vested?

11 A. I think about four more months.

12 Q. Are you going to retire then or are you going to keep
13 working?

14 A. I can't afford to retire.

15 You didn't ask about the amount of my pension, only the
16 fact that I might have one.

17 Q. Do you want me to ask about the amount of your pension?

18 A. No, I don't. I don't want to think about it.

19 Q. Are there incentive plans or bonuses at Monsanto for ex-
20 traordinary work, if you write a paper or you are published
21 or --

22 A. No -- wait, I'm sorry, yes, there are.

23 Q. What are those for?

24 A. They're available for people at a lower level than me. The
25 kind of activities you describe would be one reason. A

1 computer programmer who worked after hours for a long period
2 of time on a special project would get one.

3 Q Are you being paid to testify here today?

4 A Not in addition to my regular salary.

5 Q They're paying your salary, though, for your testimony today?

6 A Yes.

7 Q Were you subpoenaed here today?

8 A No.

9 Q Were you asked to come?

10 A Yes.

11 Q Are you paid for your travel and expenses?

12 A Yes.

13 Q Did you prepare for this testimony today in any way? Did
14 you meet with any attorneys?

15 A Yes.

16 Q And who did you meet with?

17 A Mr. Jungerheld.

18 Q Was that up here in Bad Axe?

19 A No, it was in Saginaw.

20 Q When was that?

21 A Saturday and very briefly on Sunday.

22 Q So you've been up here since Saturday?

23 A I've been up here in Bad Axe since Sunday.

24 Q And what, you came into Saginaw to prepare for your trial
25 testimony?

1 A. Also because it was the easiest way to get here.

2 Q. Did you happen to get any daily copies that are being made
3 here?

4 A. No.

5 Q. You didn't read any transcripts of anybody that's testified
6 before you?

7 A. Oh, yes, I read Dr. Chase's transcript.

8 Q. Did that give you any information to help your testimony
9 today?

10 A. No.

11 Q. Did you know Dr. Chase before?

12 A. I don't know him. I met him professionally at one meeting.

13 Q. Did he challenge you at a seminar as to your results on
14 a paper?

15 A. He challenged some of them, yes.

16 Q. He testified that he didn't agree with any conclusions you
17 came to, did he?

18 A. I have to disagree with that because obviously since I
19 read his testimony I've been trying to remember what went
20 on. And, as I recall, Dr. Chase opened his conference by
21 expressing his pleasure that I didn't criticize his paper.

22 So there must have been one thing at least we agreed on
23 which was that he had written a good paper. Much of the rest
24 of what I said there couldn't be disagreed with because I
25 was quoting the authors of the paper. The disagreement was

1 with them.

2 I think Dr. Chase disagreed with a few places in which
3 I made interpretations.

4 Q. Did you read in his daily transcript where he said, "I didn't
5 agree with anything that man said"?

6 A. Yes, I did. He specifically questioned my interpretation
7 of one paper. I think it was one of Fishbein's, and my
8 response was I would have to go back and check it.

9 And then I think he further questioned my interpretation
10 of the carcinogenicity studies and again that is an area
11 in which we disagree.

12 Q. Do you find him a qualified medical doctor, toxicologist?

13 A. Insofar as I'm capable of judging, yes.

14 Q. Are there any other daily transcripts you read in preparing
15 for the testimony today?

16 A. No.

17 Q. What information were you given before you testified today?

18 A. I was given a brief review of the facts of the case.

19 Q. Why don't you tell me what your brief review of the case
20 covered in the facts of the case.

21 A. That Mr. and Mrs. Haley bought a farm, a dairy farm.

22 Q. Do you know what year?

23 A. I was probably told but I don't remember.

24 That they had difficulties with their cows. That
25 eventually they were required, if I'm not mistaken, to stop

1 using their silos and that eventually they left their farming
2 business and that they believed their difficulties were due
3 to PCB's in the paint that was used in the silo.

4 Q. Was this a written summary that you were given?

5 A. No, no.

6 Q. Did you review any written summaries of the trial?

7 A. I don't think so.

8 Q. Any other written documents --

9 A. Oh, wait a minute. In the copy of Dr. Chase's transcript
10 that I got, there was a Mr. Gemmell appeared right afterwards
11 and his -- I don't know whether I saw all of his or not,
12 but it was there and I did read it.

13 Q. Was Mr. Jungerheld the only one you met with in order to
14 prepare?

15 A. Yes.

16 Q. Did you ever meet with Dr. Hartung before?

17 A. No.

18 Q. Did you ever meet with Mr. Hahn?

19 A. I was introduced to him but had no contact with him about
20 the case.

21 Q. What about Mr. Nassif?

22 A. Oh, I know Mr. Nassif.

23 Q. From Monsanto?

24 A. From Monsanto.

25 Q. Did you and he talk about this case and your testimony and

1 when you'd come up and --

2 A. We talked -- he was present during some of my conversations

3 with Mr. Jungerheld. I don't recall that he participated.

4 Q. What about Dr. Harbison?

5 A. I met him for the first time this morning. That is, I've

6 known him in past years. I met him for the first time in

7 several years this morning.

8 Q. What was your contact with him before?

9 A. I think we met in connection -- I don't recall whether it

10 was at the PCB symposium or in connection with some other

11 similar activity.

12 Q. The symposium, referring to MSU?

13 A. I don't remember which one if, indeed, it was either.

14 Q. Did you talk to Lynn Willett?

15 A. I've never met him.

16 Q. Have you talked to him ever?

17 A. No.

18 Q. Had you ever heard of PCB's before coming to Monsanto?

19 A. I don't believe I have.

20 Q. Okay. So your first work with PCB's would have been '79

21 when you came to Monsanto?

22 A. Yes.

23 Q. I think I already asked you, you don't know how aroclors are

24 made or manufactured or were manufactured?

25 A. No, I don't.

1 Q. I don't know if I asked you, was benzine a carcinogen?

2 A. You asked me and I said it's generally agreed, I think, that

3 if benzine exposure is large enough to cause actual anemia

4 and damage to the blood-forming organs, then it frequently

5 can progress to leukemia.

6 The evidence below that for a long, low level exposure

7 to benzine, is extremely equivocal.

8 Q. Have you ever heard of Cumar?

9 A. Yes.

10 Q. In what regards?

11 A. Dr. Chase mentioned it in his transcript.

12 Q. Are PCB's in Cumar, to your knowledge?

13 A. I don't know whether I got that from Dr. Chase's transcript

14 or from the verbal summary of the case, but I understood

15 that PCB's were incorporated into Cumar to confer some

16 physical properties on it.

17 Q. Are PCB's used in paints or coatings, to your knowledge?

18 A. They are not now. I don't know if they ever were.

19 Q. Did you ever do a study on paint or coatings?

20 A. Yes.

21 Q. What kind of substances did you use in the study that you

22 did on paints and coatings?

23 A. We didn't use substances, we identified people who had been

24 employed in the manufacture of paints and coatings and

25 classified them by whether they were exposed to pigments,

1 whether they were exposed to solvents, and then look at these
2 sub groups, follow them up to see what their mortality picture
3 was.

4 Q. Were the people that manufactured or mixed up this Cumar
5 coating, which included solvents and aroclors, the part of
6 that group?

7 A. I'm almost certain they were not because this was an industry-
8 wide study but the member companies were generally the larger
9 paint manufacturers. If they had been a subsidiary of some
10 larger group, they might have been included, but to the best
11 of my knowledge they were not.

12 Q. Are paints and coatings generally made up of such things as
13 a solvent and the aroclors and other chemicals like that?

14 A. When we looked at the problems of dividing these people by
15 their exposure, we talked to industrial hygienists and PCB's
16 never came up.

17 We divided these people generally into the solvent
18 exposures, I believe liquid pigment, solid pigment. Things
19 like PCB's never entered it nor were they mentioned by our
20 industrial hygienist.

21 Q. Was benzine mentioned?

22 A. No, except -- wait, we were not able -- we considered the
23 possibility that some of the solvents that were used could
24 have been benzine, could have contained them, but we weren't
25 able from the job histories to identify whether -- what the

1 solvents were.

2 We identified people who had solvent exposure, but we
3 weren't able to subdivide it into what kind of solvents
4 they had.

5 Q. Is benzine a solvent?

6 A. It certainly could be used. There must be some things that
7 are soluble in benzine such as some of the pigments.

8 Q. When you did that study, did you find any excess mortalities
9 or cancers?

10 A. I would have to review the study because it's been several
11 years since I've looked at it. I think we found a few
12 areas in which we advised extra study. I can't recall now
13 what they are.

14 Q. Did you look at colon and liver cancers?

15 A. Yes, yes.

16 Q. Rectal cancer?

17 A. We certainly looked at them because the study group was
18 fairly large. We looked at all the major cancers. What I
19 can't recall is the pattern that we saw.

20 Q. In the PCB's that are involved in this case, did you ever
21 look at the cause of the contamination as to the Haleys?

22 A. No.

23 Q. Are you doing any studies or reports at the present time
24 with regard to people that have been contaminated by silos

25 --

1 A. No.

2 Q. -- containing PBC's?

3 A. No.

4 Q. Are you aware of any such studies?

5 A. Possibly the Humphrey one you mentioned, but that's the

6 only one I'm aware of.

7 Q. Were you ever provided with any medical records on the

8 Haleys that showed what their levels were?

9 A. No.

10 Q. The only thing you were provided with then was the one 50

11 part per million -- or billion, excuse me, of serum level

12 of Roger, and you just assumed Valerie was lower than that?

13 A. I had been told what both of their values were. I remembered

14 Mr. Haley's, not Mrs. Haley's.

15 Q. And Mr. Haley's was 50 parts per billion?

16 A. That is my recollection.

17 Q. And those values, had they declined at all to your knowledge?

18 A. I can't recall.

19 Q. Do you know when those serum samples were taken?

20 A. No.

21 Q. Do you know when the fat samples were taken?

22 A. No.

23 Q. Would that be an important point for you to utilize in

24 doing an epidemiological study, to know what the fat

25 samples were, what the blood samples were when taken?

1 A. The epidemiology studies that I have looked at, there have
2 been very, very few of them that have looked at fat samples.
3 The ones that have looked at blood samples are contradictory
4 as to what happens to them in short periods of time. Some
5 go down, some do not.

6 Q. What about over the long term?

7 A. They tend to go down, although there, again, some people
8 dispute this.

9 Q. So there are studies, both sides?

10 A. Yes.

11 Q. Which studies do you rely on then in forming your opinions?
12 The recent Japanese study?

13 A. The recent Japanese study shows what it shows about the
14 people in Yusho.

15 My opinion is that the reason for the contradictions in
16 the study, I think, may be because some of the PCB's that
17 are being talked about are higher chlorinated and some are
18 lower chlorinated. I think there is some reason to believe
19 that these are excreted in different ways.

20 Q. Does it matter if it's a higher chlorinated or a lower
21 chlorinated aroclor?

22 A. It matters in the sense that the lower chlorinated ones
23 in the bloodstream, I think, may decrease more rapidly.

24 In terms of effects, again, I would have to refresh
25 my memory, but I think the studies are contradictory. Some

1 people allege differential effects depending on the level
2 of chlorination.

3 Q. What is the importance of doing this serum sampling on these
4 people. Why do they study the serum samples or serum levels
5 on these people?

6 A. In order to get an estimate of the body burden of PCB's.

7 Q. And what does that show them?

8 A. In a negative sense, if anything -- if there are no PCB's,
9 then you can hardly allege any effects of PCB's.

10 Q. Right. So if there are PCB's, then you can look for effects
11 of PCB's?

12 A. Of course.

13 Q. Do you know what the level of chlorination of PCB's in the
14 Haleys are?

15 A. No, I do not.

16 Q. It's been testified to that they have 1254 in their fat and
17 serum samples.

18 Is that a higher chlorinated aroclor?

19 A. I'm not sure that that's a meaningful question. I think the
20 54 means that there was a 54 percent chlorination on the
21 average. But these can be mixtures with PCB's at both
22 higher and lower chlorination levels.

23 So the fact that one sees the number 54, doesn't mean
24 that there were or were not any higher chlorinations.

25 Q. Are you aware that the higher chlorinated aroclors tend to

1 remain in the body fat?

2 A. I'm aware of studies that have concluded that, yes.

3 Q. Has Monsanto concluded that?

4 A. I'm not aware of Monsanto's opinion as a company.

5 Q. Do you know Monsanto's studies in this area?

6 A. No.

7 Q. Have you looked at any of Monsanto's studies in regards to

8 toxicity of aroclors?

9 A. No.

10 Q. Do you think that would be a good place to start looking if

11 you wanted to find out about the toxicity of aroclors in

12 determining the risk of somebody?

13 A. I think it would be a good place for a toxicologist to start,

14 if he wanted to look at the picture in animals.

15 Q. What about humans?

16 A. I wouldn't want to generalize that kind of thing from an

17 animal to a human. I would want to look at humans.

18 Q. Okay. So what you have really done in your work is

19 take articles, a selected few, and put together some sort

20 of statistical analysis and come up with a level which you

21 -- well, you haven't come up with a level because you didn't

22 know what the Haleys were, but you came up with something

23 to make an opinion on the increased risk to the Haleys?

24 A. Well, I haven't looked at a selected few. I've looked at

25 all the studies that the literature contains to the best

1 of my knowledge on human data.

2 And, if I recall, none of my summaries were statistical,
3 they were narrative summaries. But, yes, I did reach
4 conclusions.

5 Q. And your opinions are that whatever levels the Haleys have,
6 they don't have an increased risk?

7 A. I know something about the levels that they have, but the
8 plain fact is that in the literature there have, to the
9 best of my knowledge, simply not been any levels, blood
10 levels associated with risk.

11 The Japanese studies have found values up to 300,000
12 parts per million in people and their only clinical illness
13 has been chloracne.

14 Q. What about the studies with the fat levels, where the PCB's
15 accumulated in the fat, such as the fat in the brain,
16 around the liver?

17 A. I don't think I understand your question.

18 Q. Okay. You said that you haven't seen any effect with people
19 who have high parts per billion in the serum.

20 What about people with high parts per million in the fat
21 samples, in the areas of their body that contain those fatty
22 areas where the lipophilic PCB's are attracted to?

23 A. There are very few epidemiology studies that have taken
24 fat samples. The ones that have, have reported no -- there's
25 been no more adverse effect there then there has been with

1 the blood levels. But the number of studies is very small.
2 I don't think I found more than two in the literature,
3 possibly three.

4 Q Looking at a general picture now, if we look at the silo
5 families that have been exposed to PCB's.

6 Are you aware that there has been more than the Haleys
7 that have been exposed to PCB's through silo contamination?

8 A. Yes, I am.

9 Q Do you consider that an epidemic, something that you might
10 want to consider as an epidemic and look into as an
11 epidemiologist?

12 A. I think it would be an extremely useful and interesting
13 study.

14 Q So the Haleys aren't just one poisoned family, they're part
15 of an epidemic that should be studied and would be useful?

16 A. It remains to be seen whether there is an epidemic. There
17 have been many studies of people with exposures to PCB's.
18 I think this would be another opportunity to do a study of
19 a low level exposure to PCB's.

20 Q Sort of a human experiment unintentionally caused?

21 A. All epidemiology studies are on human experiments unin-
22 tentionally caused.

23 Q Doctor, are you aware of an animal study that showed some
24 metabolites in PCB's that attached to the lung?

25 A. No, I'm not.

1 MR. MC GRAW: I have no more questions, Your
2 Honor. Thank you, Doctor.

3 THE COURT: Redirect, Mr. Jungerheld?

4 MR. JUNGERHELD: Your Honor, I don't believe
5 so.

6 THE COURT: Mr. Davidson?

7 MR. DAVIDSON: No, Your Honor. Thank you.

8 THE COURT: You may step down.

9 MR. JUNGERHELD: Your Honor, we'll call Dr.
10 Raymond Harbison.

11 RAYMOND D. HARBISON,
12

13 a witness herein, produced by and on behalf of the Defendants,
14 having been first duly sworn, testified on his oath as
15 follows:

16 DIRECT EXAMINATION

17 BY MR. JUNGERHELD:

18 Q. Dr. Harbison, would you give us your full name, please,
19 sir?

20 A. My name is Raymond D. Harbison.

21 Q. And, first off, what is your professional address?

22 A. My professional address is University of Arkansas for
23 Medical Sciences at 4301 West Markum in Little Rock,
24 Arkansas.

25 Q. And what is your home address?