

CHARLES DOUGLAS YORK
VS.
TEXACO INC., ET AL

NO. E-149,519
IN THE DISTRICT COURT OF
JEFFERSON COUNTY, TEXAS
172ND JUDICIAL DISTRICT

**DEPOSITION OF
RICHARD IRONS, Ph.D.**

On October 26, 1998, the oral deposition
of RICHARD IRONS, Ph.D., a witness in the
above-styled cause, was taken at the instance of the
Plaintiff in the offices of Fulbright & Jaworski,
1301 McKinney, Houston, Texas, pursuant to
Stipulation attached hereto.

2

1 Those persons present were as follows:

2

3 MR. HERSEL HOBSON

Attorney at Law

4 2190 Harrison Avenue

Beaumont, Texas 77701

5 Counsel for Plaintiff,

6 CHARLES DOUGLAS YORK

7

8

9 MR. LARRY THORPE

10 Reaud, Morgan & Quinn

801 Laurel Street

11 Beaumont, Texas 77701

12 Counsel for Plaintiff,

CHARLES DOUGLAS YORK

13

14

15

16 MR. STEPHEN DILLARD

Fulbright & Jaworski

17 1301 McKinney

Houston, Texas 77010

18

Counsel for Defendants,

19 Mobil Chemical Company, Inc.

Texaco, Inc.,

20 Texaco Chemical Company

Texaco Refining and Marketing

21 Chevron, U.S.A., Inc.,

Chevron Chemical Company

22 Unocal Corporation

23

24

25

3

1 MR. CHARLES STANTON PERRY

Mehaffy & Weber

2 500 Dallas Street, Suite 1200

Houston, Texas 77002

3 Counsel for Defendant,

4 Du Pont

5

6

7 MR. KIRK MARTIN

8 Jenkins, Grove & Martin

2615 Calder Avenue, Fifth Floor

9 Beaumont, Texas 77702

10 Counsel for Defendant,

American Petrofina

11

12

13

14 STARLA LEE FOUST, CSR

Charlotte Smith Reporting, Inc.

15 235 Orleans Street

The Kyle Building

16 Beaumont, Texas 77701-2399

17

18

19 VIDEOTAPE OPERATOR/TECHNICIAN:

20 Warriene Flatt

21 Legal Images

P.O. Box 315

22 Gilchrist, Texas 77617

23

24

25

4
1 EXHIBITS INDEX
2 DEPOSITION OF RICHARD IRONS, Ph.D.
3
4 October 26, 1998
5
6 EXHIBIT NO. DESCRIPTION PAGE
7
8 NOS. 1 THROUGH 41 76
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

5

1 THE REPORTER: Please state the
2 stipulations on the record.

3 MR. HOBSON: Texas Rules of
4 Civil Procedure.

5 MR. DILLARD: He will read and
6 sign.

7 THE VIDEOGRAPHER: We're on the
8 record at 2:02 p.m.

9

10 RICHARD IRONS, Ph.D.,
11 having been duly sworn, testified as follows,
12 to-wit:

13

14 EXAMINATION BY MR. HOBSON:

15 Q What questions have you been asked to
16 answer in this case, Dr. Irons?

17 A Basically I was asked to evaluate the case
18 materials that were provided to me and to render an
19 opinion as to whether or not Mr. York's aplastic
20 anemia, in fact - whether it was more probable than
21 not that it was associated with exposure to benzene
22 and that it was a benzene-induced aplastic anemia.

23 Q The last answer that you gave there about
24 his aplastic anemia and his exposure and whether or
25 not his aplastic anemia was caused by benzene - is

6

1 there a sequence there that you went through? Did
2 you evaluate exposure first and then the kind of
3 aplastic anemia he has, or how did you do that in
4 your mind?

5 A Based upon the order in which the
6 materials were made available to me, the first
7 analysis that I performed was to evaluate the
8 medical records and the pattern of presentation and
9 the characteristics of his aplastic anemia in the
10 context of what I would expect to see associated
11 with benzene, and subsequent to that to review the
12 documentation and the depositions that were made
13 available to me in order to evaluate the - his
14 potential likelihood that exposure to benzene was
15 associated with that. So, the pattern and
16 presentation of aplastic anemia first and the
17 opportunity for exposure second.

18 Q On behalf of which Defendants are you
19 appearing as an expert witness in this case?

20 A You would have to ask someone with
21 expertise other than mine for that. I believe
22 Texaco. I am not certain what other Defendants are
23 involved in this case.

24 Q So, I take it your contact so far in this
25 case has been through Mr. Dillard, then --

7

1 A That's correct.

2 Q -- and not any other attorneys associated
3 with the case?

4 A Not to the best of my recollection.

5 Q You said that you started off with
6 evaluating the case materials. What case materials
7 have you been provided with and in what order and
8 when?

9 A I made a brief list of what I received in
10 this case: The medical records in general - and I
11 haven't in my notes distinguished those, although,
12 they are rather massive - and a variety of different
13 depositions.

14 Q Did you delineate whose depositions you
15 have been provided?

16 A Yes.

17 Q May I have who those were, please?

18 A Charles Douglas York, Frank Gardner, Ethan
19 Natelson, John Bickers, John Dement, Frank Parker,
20 Craig Morin.

21 Q That's all the depositions you have been
22 provided in this case?

23 A That's correct.

24 Q And about when did you receive those
25 depositions?

8

1 A Certainly the major - most of them, I
2 received in March and April of this year, between
3 January and March and April of this year.

4 Q And when were you first contacted about
5 being an expert in this case?

6 A I believe the first time I had any kind of
7 conversations at all would have been by telephone
8 with Mr. Dillard; and to the best of my recollection
9 it was in 1997, probably in the summer of 1997.

10 Q Besides Mr. York's medical records and the
11 depositions you listed, what else have you been
12 provided?

13 A I believe that's it.

14 Q Did you receive the attachments to any of
15 the depositions that you were provided?

16 A Yes. The -- I have received the exhibits
17 to some of these, not all of them.

18 Q Did you receive the documents that
19 Mr. Morin had been provided?

20 A No.

21 Q Let's talk about the first part of what
22 you did. The pattern of exposure I think is what
23 you said was the first element that you considered;
24 is that right?

25 A No, the pattern of presentation --

9

1 Q I beg your pardon -

2 A -- of Mr. York's disease.

3 Q The pattern of presentation. Tell me what

4 you saw in the way of pattern of presentation,
5 please.

6 A Well, essentially there were some records

7 and some analyses that were performed on bone marrow

8 and peripheral blood immediately preceding the

9 definitive diagnosis, suggesting sideroblastic

10 anemia.

11 This was immediately followed by an

12 extensive workup and diagnosis of aplastic anemia.

13 The presentation was that of a classic idiopathic

14 aplastic anemia, primary aplastic anemia,

15 pancytopenia, and acellular bone marrow with ringed

16 sideroblasts, initial treatment refractory to B12 and

17 folate. These were the principal characteristics

18 that were important to me.

19 Q All right. What literature do you have

20 that says that this is a characteristic pattern of

21 presentation for an idiopathic aplastic anemia?

22 A What literature do I have?

23 Q Yes, sir.

24 A Certainly this is a pattern that is

25 described throughout the literature - certainly the

10

1 articles and books published by Dr. Young; Jandl in
2 his hematology text describes a similar pattern.
3 Certainly the characteristics of a primary aplastic
4 anemia are found in many articles. I provided
5 some - brought some with me here today.

6 I would say that the best central source
7 for a description of this pattern would be the work
8 by Dr. Young. Dr. Natelson, who I believe is a
9 treating doctor in this case, has also published
10 cases describing this pattern.

11 Q Well, sir, I'm trying to find out can you
12 point me to a page in a text or an article that says
13 that this is a pattern of presentation that is
14 typical for idiopathic aplastic anemia?

15 A No. I don't have Dr. Young's book here,
16 but that would be the first place that I would look
17 for a general description.

18 Q Yes, sir. Just so I'm clear, I'm looking
19 for a statement that idiopathic aplastic anemia
20 follows this pattern of presentation.

21 A Yes. Well, first of all, the criteria for
22 aplastic anemia include pancytopenia and an
23 acellular marrow. So, those would be two criteria
24 that I would expect to see.

25 Q Is that in any kind of aplastic anemia or

11

1 only idiopathic aplastic anemia?

2 A Idiopathic, most certainly; it would not
3 apply in general to any aplastic anemia. Certainly
4 in the case of benzene, the prevailing presentation
5 would be different. -For one thing one does not
6 usually expect to see in the majority of patients an
7 acellular marrow. Those aplastic anemias that have
8 been associated with benzene tend to have a moderate
9 cellularity or even hypercellularity.

10 Also, the pancytopenia is usually preceded
11 by in many cases a lymphocytopenia and in some cases
12 a thrombocytopenia.

13 The other characteristic that is really
14 quite remarkable for benzene is the absence of
15 demonstration or at least recognition of ringed
16 sideroblasts associated with benzene-induced
17 aplastic anemia.

18 Q Okay. But you still haven't told me the
19 reference for idiopathic aplastic anemia.

20 A Well, I have referred you to Neal Young's
21 book. I don't have a copy of it here. I'm trying
22 to see whether in the materials I have brought I see
23 a general description. So far, I haven't seen one.
24 (Reviewing documents) I don't think I have
25 precisely what you are asking for with me.

1 Q All right. Let's turn to what you
2 answered a moment ago.
3 Do you have any human epidemiological
4 studies that show that benzene-induced aplastic
5 anemia meets the criteria that you previously
6 described?
7 A In terms of the characteristics that I
8 described for benzene-induced aplastic anemia?
9 Q Yes, sir.
10 A There are several descriptions in the
11 literature in collections of cases associated with
12 characterizing individuals poisoned by benzene and
13 benzene-induced aplastic anemia and bone marrow
14 suppression. The principal authors that I would
15 refer you to are Goldwater and Greenburg, their
16 analysis of rotogravure workers and benzene
17 suppression and the aplasia associated with benzene
18 poisoning.
19 Certainly the collective cases of Aksoy
20 and I brought at least one reference with me there,
21 but there are several. And he describes these same
22 characteristics in both his book on benzene
23 carcinogenesis, as well as some of the manuscripts.
24 Those would be the principal references.
25 There are a number of other reports that

13

1 describe various aspects of this. The most recent
2 would be a study of the workers in Brazil, I believe
3 by Ruiz, that describes some of these same
4 characteristics.

5 Q Are any of the those - the Goldwater and
6 Greenburg, the Aksoy articles, or the Ruiz article -
7 human epidemiological studies?

8 A The closest that comes to it is basically
9 Greenburg and Goldwater, which is a controlled
10 study. The Aksoy study really represents a
11 collection of cases. The Ruiz study, I believe, is
12 a collection; but let me look at it again.

13 (Reviewing document) It's basically a
14 series. It's not a quantitative study.

15 Q "It's" being the Ruiz study?

16 A Yes.

17 Q Okay. Let's talk about Goldwater and
18 Greenburg for a moment then.

19 Do they report relative risk or
20 statistical significance for their publication?

21 A No, they don't.

22 Q How many aplastic anemias did Goldwater
23 and Greenburg report on?

24 A It is certainly based upon the criteria
25 that they are using at that time. They have a

14

1 fairly -- They have approximately -- They have
2 80-plus individuals that have some evidence of bone
3 marrow suppression or benzene poisoning. In their
4 follow-up over several months, they have at least
5 four that show persistent abnormalities over a year
6 later.

7 Q Did the 80-plus total individuals that you
8 told me about initially all have aplastic anemia?

9 A No.

10 Q How many had aplastic anemia?

11 A Well, using their criteria for persistent
12 changes, they have at least four that are candidates
13 based upon the current - what we would currently
14 define as aplastic anemia.

15 Q Well, can you tell by looking at the
16 Goldwater and Greenburg paper of those four that had
17 persistent problems whether or not they, in fact,
18 have or had what we would today call aplastic
19 anemia?

20 A If we were going to reevaluate or
21 rediagnose these patients based upon current
22 criteria, it's not clear to me which of these would
23 be reclassified as having a definitive aplastic
24 anemia. Certainly many of them would be classified
25 as having persistent hematopoietic abnormalities

1 associated with exposure.

2 Q Do Goldwater and Greenburg use the term
3 "aplastic anemia" in their paper?

4 A No, they don't.

5 Q For the 80-plus total individuals who were
6 initially seen, what were the controls?

7 A The controls were taken from individuals
8 that had -- (Reviewing document) I'm trying to see
9 if I can find a precise description. I have seen it
10 before. The controls were matched to the extent
11 that they provided a reasonable control for the
12 working conditions except for the exposure to
13 benzene. I do recall that. It was the first real
14 control study of benzene-exposed workers, and the
15 control group is included. I'm looking for a
16 description.

17 Okay. 81 industrial workers that were
18 employed in a factory where no demonstrable exposure
19 to benzene existed was their description of the
20 control group.

21 Q So, one control person for each case?

22 A I'm not exactly sure whether it's
23 one-to-one. I think it was based more on the
24 availability of individuals that fit the criteria.
25 I'm not sure if it's one-to-one.

16

1 Q So, more just happenstance of who they
2 could find and how many they needed?

3 A I think -- My understanding is it was a
4 single group of workers. I don't think they
5 collected them, but I'm not seeing a description of
6 it right here.

7 Q But no attempt that you can see to match
8 by age or sex or race or any other parameter?

9 A The age distribution was similar to those
10 that were in the exposed group is all that is in
11 this particular study. I do recall -- Well, I have
12 had conversations with Dr. Goldwater about this
13 group many years ago; but as I sit here, I can't
14 recall what the nature of the description is.

15 Q Is there anyplace in Dr. Goldwater's and
16 Dr. Greenburg's paper that says that this particular
17 kind of disease presentation is the only kind you
18 should expect to see from benzene?

19 A Well, at this point in time this was the
20 only reasonably carefully studied large group of
21 benzene-exposed - highly-exposed workers that had
22 been conducted; so, I don't think that you would
23 expect to see that kind of a conclusion.

24 Q And, in fact, don't see that kind of
25 conclusion?

17

1 A No. This basically is the first carefully
2 characterized exposure group that had any kind of
3 controls done.

4 Q In any of the items that you brought, is
5 there such a statement that benzene will produce
6 only the pattern of presentation that you described
7 earlier to the exclusion of others?

8 A Well, as I said before, one would not
9 reasonably expect to see that; and they haven't said
10 that. It's also impossible to prove a negative; so,
11 I would be very surprised to see anyone make an
12 affirmative statement that this should exclude all
13 other possibilities. I certainly wouldn't say that.

14 Q I take it you would classify Mr. York's
15 aplastic anemia as idiopathic?

16 A Yes.

17 Q Now, you said that another part of your
18 presentation - I'm sorry - your evaluation was
19 looking at opportunity for exposure?

20 A Yes.

21 Q What did you find in the way of
22 opportunity for exposure to benzene for Mr. York?

23 A Well; the first thing that struck me was
24 in the medical records there's an indication that -
25 certainly a diagnosis - he had no previous

18

1 recollection of exposure to benzene. More
2 importantly, the symptoms that he described were not
3 consistent with those that -- Or the lack of
4 symptoms was significant to me with respect to
5 consistent with exposure levels that I expect to see
6 associated with aplastic anemia.

7 So, in the initial material that I
8 reviewed, that was all the information that I had
9 that really provided me with any indication of what
10 his exposure might have been or might not have
11 been.

12 Mr. Morin's deposition is basically what I
13 used in confirming my own impression that there is
14 just no evidence that Mr. York was exposed to levels
15 of benzene that would be consistent with the
16 development of aplastic anemia.

17 Q Let's start with your assessment of what
18 level of benzene exposure is necessary to produce
19 aplastic anemia.

20 A Certainly if we read through the
21 collection of cases, the anecdotal cases and the
22 descriptions of hygiene conditions that are
23 associated with the body of cases that exist in the
24 literature today, most of these coming from the
25 first half of the century, we are talking about

19

1 massive exposure levels, certainly exposures on the
2 order of 100 parts per million or greater, repeated
3 exposures, high levels. Those are actually low for
4 some of the descriptions. So, certainly exposures
5 in that, above 100 parts per million, are associated
6 with the risk of developing aplastic anemia.

7 There may or may not be exposures in the
8 50 to 100 parts per million range that may be
9 associated with aplastic anemia. I don't think the
10 literature is particularly clear on that. And
11 these exposures are usually associated with a
12 variety of other symptoms, as well.

13 Q Do you have any literature that you can
14 cite me to that says that exposure below 100 parts
15 per million to benzene will not produce aplastic
16 anemia?

17 A Well, we have had this discussion before
18 in different contexts. I don't think that it's
19 appropriate and certainly it's not a scientifically
20 valid exercise to try to prove a negative. What one
21 does is look at what associations and what exposures
22 have been associated with the development of the
23 disease. And certainly in the case of aplastic
24 anemia, we are dealing with what is widely
25 considered to be a high-dose phenomenon.

20

1 The vast majority of cases involve
2 exposures of 300, 600, 1,000 parts per million or
3 greater. These are clearly associated with bone
4 marrow suppression and aplastic anemia development.

5 There are some references in the Greenburg
6 work that some of the exposures may have been
7 100 parts per million or lower; but in the context
8 in which they are reporting, you have to realize
9 that these would be considered relatively low
10 exposures.

11 So, I don't know of any study that would -
12 that has even been designed to allow for -- As I
13 said before, science, you don't prove a negative.

14 So, I can't point to a study that says that
15 exposures below 100 parts per million don't cause
16 aplastic anemia. There are no credible exposure
17 scenarios that I know of that would certainly
18 associate aplastic anemia with actual exposures that
19 didn't involve scenarios involving 50 ppm, 100 ppm,
20 or greater.

21 Q What studies can you cite me to where
22 exposures of less than 50 parts per million have
23 been demonstrated in the work force -
24 epidemiological studies, I'm talking about now - and
25 where aplastic anemia was an outcome that was looked

21

1 for?

2 A Well, with the caveat that the exposure -
3 certainly the low level exposures are, I have some
4 real problems because they were modeled rather than
5 actually measured. The China study certainly has
6 evaluated aplastic anemia and has looked at exposure
7 paradigms that at least ostensibly involve lower
8 than 50 parts per million exposure.

9 There is no evidence that I have seen in
10 those studies that aplastic anemia is associated
11 with those particular exposure groups. And I must
12 add also that the exposure scenarios that are
13 described there are particularly unreliable for low
14 level analysis, and that's been the subject of a
15 number of discussions and published opinions.

16 Q When you say "low level" in that context,
17 how do you use it?

18 A I believe their low level or their lowest
19 level of exposure scenario bounds a range of 1 to
20 10 ppm years. I don't believe there are -
21 Certainly Dr. Hayes hasn't indicated that there are
22 any aplastic anemias in that group.

23 In general that study is divided into at
24 least three different scenarios - 1 to 10, 10 to -
25 and it depends upon the particular analysis they are

22

1 doing - what they call 10 to 30, and then greater
2 than 30. Some of their exposure levels are greater
3 than 100, however.

4 Q So, if I look in the Hayes papers, I will
5 find the analysis that you are speaking of?

6 A If you look across the board, you will see
7 the overall analysis of the modeling of the
8 exposures. There are problems with that. The
9 actual modeling only accounts for about 30 percent
10 or about a third of the overall exposures they are
11 attempting to in terms of overall exposure metric
12 and probably only 3 percent of the high exposure
13 groups.

14 They had nine aplastic anemias in the
15 entire cohort. I don't believe any of them were
16 associated with the lower exposure group. And even
17 that group is consistent -- The exposure metric is
18 consistent with excursions above 100 parts per
19 million.

20 So, I don't think that study provides any
21 indication that exposures of less than 100 or
22 50 ppm's on a repeated basis in ambient air is
23 associated with aplastic anemia; but it certainly
24 provides additional evidence that high-level
25 exposure is associated with aplastic anemia.

23

1 Q Any other papers that you would cite me to
2 for this proposition?

3 MR. DILLARD: Herschel, y'all
4 talked about several propositions.
5 Just to make sure that the record is
6 clear, which one are you referring
7 to?

8 MR. HOBSON: The papers that
9 demonstrate no association between
10 aplastic anemia where they
11 specifically looked for - and
12 exposures to benzene below 50 parts
13 per million.

14

15 (By Mr. Hobson)

16 Q That was what you were answering, I think,
17 was it not?

18 A Well, as I said before, I don't know any
19 study that is going to demonstrate no association.
20 There are numerous studies in the petroleum industry
21 looking at mortality in the petroleum industry that
22 provide a surrogate for benzene exposure, and you
23 certainly don't see any increases in aplastic anemia
24 in those studies.

25 So, conversely if what you are asking is:

24

1 Are there any studies associated with either
2 specifically benzene or where benzene is certainly a
3 potential agent in the occupational setting, that
4 have evaluated mortality rates that would have
5 encompassed aplastic anemia, there are several
6 petroleum refinery studies - Wong, some of the more
7 recent studies by Schattner - that demonstrate no
8 increased mortality and certainly have not observed
9 an increased incidence of aplastic anemia in those
10 populations.

11 Q In the -- Let's take the Wong petroleum
12 refinery studies then. Which of those can you show
13 that breaks out the refinery workers with
14 occupational exposure to benzene?

15 A I don't have those with me today. I'm not
16 in a position where I could do that.

17 Q Are you telling me that they do break out
18 the refinery workers with occupational exposure to
19 benzene and quantitate or semiquantitate those
20 exposures?

21 A Dr. Wong's studies certainly have looked
22 at exposure in the petroleum industry. I believe,
23 if I'm not mistaken - and I may be not citing this
24 exactly right - but I think that Dr. Schnatter has
25 recently published at least one, if not more than

25

1 one, study looking at mortality in the refinery
2 industry in a variety of different plants. And I do
3 not recall seeing any increased incidence of
4 aplastic anemia in those studies.

5 Q Well, let's go at it this way: Is
6 everyone who works in a petroleum refinery equally
7 exposed to benzene?

8 A Surely not.

9 Q Which ones are more highly exposed than
10 others?

11 A Well, certainly those that have the
12 potential for exposure are going to be those who
13 work in a benzene production area or an area where
14 the solvent content of - or the benzene content in
15 the solvents is going to be higher and where there
16 is an opportunity for exposure.

17 Q And what percentage of the particular
18 refinery population would that be?

19 A That, I couldn't tell you as I sit here.

20 Q Half of them or more?

21 MR. PERRY: I'll object to the
22 form.

23 A As I sit here, I couldn't tell you. I
24 think it's going to vary with the individual cohort
25 that you are looking at or evaluating.

26

1 Q So, in order to know the answer to that
2 question, one would have to evaluate the exposures
3 of the cohorts specifically for benzene?

4 A At least in terms of whatever the
5 surrogate for exposure is. What I'm saying is I
6 know of no studies in that industry or in refinery
7 studies, of which there are some recent studies,
8 that I recall showing any increased incidence of
9 aplastic anemia in those studies.

10 So, certainly if, in fact, one were going
11 to define a potential group that would have higher
12 exposure to benzene than others, refinery workers in
13 an environment where there is potential for exposure
14 to benzene would certainly qualify.

15 Q Well, surely it would depend on how
16 diluted you made the benzene-exposed group - would
17 it's not - as to whether you would find any outcome?

18 A Not necessarily. It would depend -- If,
19 in fact, the exposure is the critical issue here,
20 then the level of exposure would be a major
21 determinant of whether you would see an increase at
22 all.

23 Q Did you say two different names? I'm
24 having a hard time following you. You said
25 "Shattner" and then you just said --

27

1 A "Schnatter."

2 Q "Schnatter." And that's only -- You did
3 not say "Shattner"?

4 A I don't believe so.

5 She is a candidate for governor in
6 Colorado.

7 Q Schnatter?

8 A Schattner.

9 Q Easy for you to say. Let's spell the one
10 you said so that we will have it right.

11 A I believe that he spells it

12 S-c-h-n-a-t-t-e-r.

13 Q And you say you believe that Schnatter has
14 published studies of refinery workers?

15 A That's correct.

16 Q That break out their benzene exposure?

17 A That at least evaluate benzene exposure,
18 yes.

19 Q And whose refinery was being studied - or
20 refineries?

21 A There's a series of companies. I can't
22 tell you as I sit here.

23 Q You didn't bring those with you?

24 A No, I did not.

25 Q All right. Let's go back to our pattern

28

1 of presentation. Is there anything about the
2 genetics of Mr. York that you think is germane to
3 this case one way or the other?

4 A It would have been useful to have had
5 cytogenetic data at the time of his diagnosis. That
6 apparently was not done. And the reason for that is
7 that in, certainly, cases of secondary aplastic
8 anemia and in cases of benzene poisoning, it is not
9 unusual to see clonal chromosomal aberrations.

10 However, I think a functional surrogate to
11 that certainly in the context of reaching my opinion
12 is the fact that he was, in fact, successfully
13 treated with anticolonocyte globulin, which is
14 clearly a pattern that is seen in primary idiopathic
15 aplastic anemia. Certainly in aplastic anemias with
16 clonal injury, that is not usually the most
17 prevalent outcome.

18 So, his successful treatment with
19 immunosuppressive therapy is consistent, more
20 consistent with a primary than a secondary. Other
21 than that, I have no cytogenetic information one way
22 or the other.

23 Q Let's talk about how you are using primary
24 versus secondary.

25 A Primary is basically -- What I mean as

29

1 primary idiopathic aplastic anemia, secondary would
2 be aplastic anemia, in this case obviously secondary
3 to benzene.

4 Q So, secondary aplastic anemia is any
5 aplastic anemia caused by something?

6 A Yes, or with a known cause.

7 Q Are you saying, then, that all aplastic
8 anemias with a known cause are fatal?

9 A No. Secondary aplastic anemias tend to
10 have a poorer prognosis, and certainly the
11 literature description of cases associated with
12 benzene have a poor prognosis.

13 Q Does that mean that the cases that are
14 secondary to benzene exposure in the literature
15 don't survive?

16 A It means they have a poor prognosis. They
17 have a less likelihood of survival. And certainly
18 those that have clonal lesions independent of their
19 origin have a poorer survival.

20 Q But they are not always fatal?

21 A Not always fatal, no. They have a less
22 favorable prognosis than primary idiopathic
23 following treatment.

24 Q So, you are saying that the fact that

25 Mr. York didn't die from his aplastic anemia is

30

1 indication that benzene didn't cause it?

2 A No. I'm saying that his very positive -

3 in fact, remarkable response to immunosuppressive

4 treatment is more consistent with a primary

5 idiopathic aplastic anemia than one that has a

6 clonal lesion or one that would be associated with

7 benzene exposure.

8 Q But one doesn't exclude the other?

9 A I'm not sure what you mean. I wouldn't

10 exclude anything. What I'm saying is that the

11 likelihood, it's much more probable that a favorable

12 response to treatment is associated with the primary

13 idiopathic aplastic anemia than a secondary or one

14 that has a clonal lesion. That's well-described in

15 the literature.

16 Q Are you saying that you can show me a

17 case -- I'm sorry.

18 Are you saying that there are no cases

19 recorded where benzene is reported to be the

20 causative agent of aplastic anemia where a rapid

21 recovery was made?

22 A To my knowledge I know of no studies that

23 have described any group of patients with a

24 benzene-induced aplastic anemia where

25 immunosuppressive therapy has proved successful. If

31

1 you look at the aplastic anemia literature in
2 general, those with clonal lesions which would be
3 more consistent with the benzene exposure have a
4 poorer prognosis.

5 That doesn't mean that none of them
6 survive. It simply means that they have much less
7 likelihood. Certainly in the case of Mr. York, we
8 are dealing with an individual who had an extremely
9 favorable response to therapy - remarkable, even.
10 It was very early. It was complete with one series
11 of treatment, and it's been a persistent recovery
12 remission for the better part of 15 years. That's
13 an incredibly favorable response.

14 Q Is there no other 15-year survival
15 reported in a benzene-induced aplastic anemia?

16 A Where you have a diagnosis of aplastic
17 anemia, I'm sure there have been some cases of
18 recovery. I wouldn't exclude that possibility.
19 It's not impossible. I don't know of any series
20 that I can point to where one has even a significant
21 subgroup of individuals like that. Certainly the
22 prevailing description of those cases is a fairly
23 poor prognosis, either with respect to lack of
24 response to therapy or a subsequent relapse.

25 Q In the four patients that Drs. Goldberg

32

1 and Goldwater - I'm sorry - Goldwater and Greenburg
2 reported, did all four of those people with
3 persistent disease die --

4 A I'm not sure.

5 Q -- from the disease?

6 A I'm not sure. I'm not sure how far they
7 go.

8 (Reviewing document) No. They only went
9 out two years, and those four individuals had
10 distinctly abnormal blood pressures at two years.

11 Q But no report as to whether they resolved
12 or did not?

13 A I have no information on that.

14 Q Is there anything in any of the references
15 that you brought that says that the pattern of
16 presentation that you describe for benzene and
17 aplastic anemia is typical for benzene?

18 A Well, if you look at them as a whole, you
19 see a picture that is reasonably consistent and is
20 not consistent with what you expect to see in
21 primary idiopathic aplastic anemia.

22 Probably the closest to describing a
23 consistent pattern or at least recognizing a
24 consistent pattern is that of the study of Ruiz, et
25 al. It's a relatively recent study. Most of the

33

1 rest of these were performed in a context where it
2 was the state of the art, this was the disease at
3 hand, and they were characterizing what was seen.

4 So, they weren't really referring to older
5 literature in terms of consistency. The Ruiz study
6 does make some references to what is seen in the
7 older literature.

8 Q Well, I guess what I'm trying to find out
9 is that what I hear you saying is it's your
10 assessment, your opinion, that there is this pattern
11 of presentation that is typical for benzene; and I'm
12 trying to find out is that something that other
13 people have said in writing?

14 Oh, yes. Certainly if you look at Aksoy
15 and in his book, to a lesser extent the publication
16 I brought - but there are several - he describes the
17 characteristics that are seen associated with
18 benzene - the aplasia - and with benzene poisoning.
19 And he describes essentially these same features.
20 Ruiz, as well, describes some of the same
21 features. It's a pattern that has been seen and
22 that is basically not a pattern that I have
23 devined. I'm reflecting what these authors have
24 said.

25 Yes Sir. But do they say - these

34

1 authors - that it's typical for benzene?

2 A Certainly Aksoy describes the prevailing
3 presentation with lymphocytopenia as being
4 characteristic of benzene and has been repeatedly
5 reported. Ruiz notes the nature of the bone marrow
6 cellularity. Vigliani -- Some of your original
7 Vigliani studies suggest that the benzene presents
8 with a relatively unique presentation.

9 So, I think if you take these authors as a
10 group, this particular pattern has been described;
11 and several aspects of it appear to be consistently
12 seen in benzene-exposed populations in different
13 decades and on different continents.

14 Q Yes, sir. But can you show me in their
15 papers where they say that this is a typical
16 presentation for benzene-induced aplastic anemia?

17 A I can show you in these various papers
18 where each of the aspects that I am describing are
19 reported as being consistent with and typical of
20 benzene-exposed individuals. And, so, taken as a
21 whole I think this literature supports a relatively
22 unique presentation for benzene compared to that
23 which you expect to see in primary idiopathic
24 aplastic anemia.

25 This opinion is shared by the authors that

35

1 I am citing.

2 Q All right. If it's that pervasive
3 throughout the papers, can you show me one where it
4 uses the term "typical"?

5 A That particular wording? No. As I said
6 before, the characteristics that they are describing
7 are typical. They are describing a prevailing
8 pattern of presentation.

9 In the case of Aksoy, he's describing a
10 prevailing pattern of presentation; and he describes
11 the initial changes that are seen with benzene that
12 are not seen in other cases of aplastic anemia.

13 In the case of Ruiz, for example, he
14 points out in none of the patients ringed
15 sideroblasts were observed.

16 Ringed sideroblasts are a very frequent
17 observation in cases of primary idiopathic aplastic
18 anemia. I can't think of a single case in the
19 benzene literature where that has been observed.

20 Q Can you show me, then, where it says in
21 the benzene literature that if you find ringed
22 sideroblasts, you should ignore any benzene exposure
23 and attribute this to idiopathic aplastic anemia?

24 A I never said that.

25 As I said before, my opinion in this case

36

1 is based upon two observations: One, that the
2 presentation of Mr. York - of Mr. York's aplastic
3 anemia is more consistent a priori with a primary
4 idiopathic aplastic anemia than with a pattern I
5 would expect to see with benzene; and, two, that I
6 have seen no evidence that he was exposed to benzene
7 at concentrations or in a scenario of exposures that
8 would be anything like what is associated with
9 development of aplastic anemia secondary to benzene.

10 Q In your assessment of Mr. York's exposure
11 to benzene, did you consider whether or not he was
12 washing his hands or tools in benzene?

13 A I have seen no evidence that would lead me
14 to believe that that, in fact, was the case.

15 Q Would washing your hands and tools and
16 clothes in benzene present a significant exposure to
17 benzene in your view?

18 A If what you are asking me is would washing
19 your hands and clothes and tools in benzene provide
20 the kind of exposure that would be consistent with
21 the development of aplastic anemia if, in fact, one
22 were to do that day in and day out repeatedly for
23 some period of time depending upon the
24 susceptibility of the individual from six months to
25 20 years, that would certainly be consistent with an

37

1 exposure level that could lead to the development of
2 aplastic anemia.

3 But then, again, there would be other
4 symptomatology that I don't see in the records, I
5 don't see in his medical records; and it's
6 inconceivable to me that he could experience such an
7 exposure without additional symptomatology.

8 Q What other symptomatology would you expect
9 one to have who washed their hands and tools and
10 clothes in benzene?

11 A Dizziness, headaches, central nervous
12 system effects, dermatological abnormalities,
13 problems with -- Certainly the hands would be
14 subject to a great deal of damage, defatting.
15 I don't see anything in any of the records
16 that would lead me to believe that he had
17 encountered these kinds of exposures.

18 Q So, without those kinds of exposures -
19 I'm sorry.

20 Without those kinds of symptoms, you say
21 it just can't be possible for a person to wash their
22 hands and tools and clothes in benzene on a regular
23 basis?

24 A What I'm saying is in the absence of
25 industrial hygiene data or evidence to support that

38

1 kind of exposure, that the lack of that collateral
2 symptomatology is not consistent with exposure to
3 the levels necessary to produce aplastic anemia.
4 That is not to exclude the possibility
5 that anyone might have occasion - although certainly
6 I wouldn't recommend it - to wash their tools in
7 benzene. It would require not just a single
8 exposure at that level, but multiple exposures for a
9 considerable duration of time to produce the kind of
10 exposure necessary to produce aplastic anemia based
11 upon what we know about the disease.

12 Q So, washing your tools every day for six
13 months, every workday for six months, five days a
14 week?

15 A If an individual did that, I would expect
16 to see additional evidence of toxicity, if only
17 dermal. There is no evidence anywhere in the
18 medical records that I can see that those complaints
19 were made.

20 And, in fact, Mr. York testified or at
21 least said to his treating doctors that he did not
22 know that he was exposed to benzene. He certainly
23 didn't describe that in his initial presentation.
24 And I think it's inconceivable that an individual
25 would work in that environment, have that kind of

39

1 exposure and not at least be cognizant of the
2 exposure.

3 Q I'm interested in your concepts. I would
4 like to talk more about people washing their hands
5 with benzene or washing their tools with benzene or
6 washing their clothes with benzene.

7 Would you know one way or the other if
8 this has gone on in the Gulf Coast refineries in the
9 Beaumont/Port Arthur area in the past?

10 A I have no knowledge with respect to that
11 and have never seen any demonstrable evidence that
12 that, in fact, has occurred.

13 I am saying that in the case of Mr. York,
14 that indicating that he was not previously exposed
15 to benzene at the time of his diagnosis is not
16 consistent with someone who had repeatedly washed
17 his clothes and tools in benzene. He would be aware
18 that he had been exposed to benzene.

19 Q I'm interested in the symptomatology - the
20 dizziness, the dermatitis, the other things that you
21 describe. Are you saying that if a person regularly
22 washed their hands or tools or clothes in benzene,
23 maybe not every day, but on a regular basis, that
24 that would just be something totally inconsistent
25 with having no reports of dermatitis and dizziness

40

1 in the work force?

2 MR. PERRY: Object to the form.

3 A It is not -- I find it highly unlikely and

4 I don't see how it's consistent that you can

5 describe a scenario in which an individual was

6 exposed to levels that have been associated with the

7 development of aplastic anemia in the past without

8 incurring those types of symptomatology.

9 The exposures that have been described in

10 the past, it's charitable to say that exposures at

11 100 parts per million are associated with aplastic

12 anemia. The vast majority have involved exposures

13 that probably exceeded 300 and maybe as much as

14 1,000 parts per million; but you would expect to

15 have some central nervous system minor

16 symptomatology at least between 50 and 150 parts per

17 million.

18 And to not recognize any exposure is not

19 consistent with exposure at those levels.

20 Q I take it, then, that Drs. Goldwater and

21 Greenburg reported central nervous system effects in

22 the people with aplastic anemia that they studied?

23 A Goldwater and Greenburg focused on the

24 hematologic abnormalities that are associated with

25 benzene exposure. I don't recall whether they

41

1 discussed other symptomatology at all.

2 Certainly there are a number of other

3 references, including those that I brought with me

4 here today, that describe exposures associated with

5 the development of frank aplastic anemia in which

6 those types of symptoms are frequently reported.

7 And those levels of exposure - the levels of

8 exposure that have been documented exceed - greatly

9 exceed the symptomatology that we have discussed.

10 Q I guess I'm having a little bit of a

11 problem. Are you saying that these symptoms are

12 frequently reported or that they are always reported

13 for benzene-induced aplastic anemia people?

14 A They are very frequently reported. I

15 would -- Without scouring the literature, I'm not

16 prepared to tell you always ever.

17 Q What about in the ones that you have

18 brought? Can you tell me for the papers that you

19 have brought where you have a benzene-induced

20 aplastic anemia that there were reports from the

21 victims that they had dizziness, they had

22 dermatitis, that they had the other symptoms that

23 you described earlier?

24 A It will take me a minute.

25 (Reviewing documents) Certain --

42

1 Parenthetically certainly Aksoy describes these
2 types of symptoms in shoe workers exposed.

3 Q What do you mean by "parenthetically"?

4 A If you read his book, he describes the
5 symptomatology.

6 Q Is that what you are reading now?

7 A (Reviewing document) I am looking now at
8 one of the papers that I brought with me that is
9 from - that is looking at hematological effects in
10 chronic benzene poisoning in 217 workers. And it
11 clearly describes the hematological abnormalities.
12 I'm seeing whether they report some of the other
13 findings.

14 They don't describe any collateral
15 symptomatology in this paper, but in his book he
16 definitely does.

17 This is typical of several that I brought
18 that basically examine -- They are either from the
19 U.S. Public Health Service or the National Safety
20 Council that describe exposure scenarios for benzene
21 in industry in the Twenties and Thirties. And it
22 describes a variety of conditions in which
23 hematologic abnormality has been found in patients
24 and where symptoms included not only blood changes,
25 but seen as symptoms, gastrointestinal symptoms,

43

1 irritation of the respiratory tract, weakness,
2 headache, eye irritation, which is expected or
3 typical in individuals exposed to extremely high
4 levels.

5 Q Is what you are saying that you think it's
6 probable that a person would experience these
7 systems if they develop a benzene-induced aplastic
8 anemia, but not necessarily so?

9 A I think it is much more probable, much
10 more likely than not, that individuals exposed to
11 levels of benzene that are associated with aplastic
12 anemia are going to have these other types of
13 symptomatology.

14 Q But they may not.

15 A I think that it's possible they may not,
16 but highly unlikely.

17 Q Now, this list of symptoms that you just
18 read to me, what were the exposure levels that are
19 associated with each of those?

20 A Well, here in one case it says that - The
21 term "benzol" is used because this is the old
22 nonenclature. Benzol concentration in the air - and
23 this is from the description that I was just reading
24 you - in the air of five of the rooms was fairly
25 low. And they are referring to 200 parts per

44

1 million.

2 Another group that they are looking at,
3 they have low level in summer of 130 parts per
4 million to a high of 330.

5 Here is one 430 to 500 parts per million.

6 Here is another where the average was 1800 parts per
7 million and the maximum was 4,140 parts per
8 million.

9 Here is a table looking at concentration
10 of vapors in parts per million that ranges from
11 70 parts per million to the 4,140 that I described.
12 Q Well, does the table give the range of
13 concentrations for exposure, the places where the
14 aplastic anemia were found, and the symptoms for
15 each of the -

16 A No, it doesn't outline it. It basically
17 outlines created fashion, the different - describes
18 or categorizes rooms in terms of what they are
19 calling relatively low, intermediate, to high
20 exposure. The -

21 Q Are all the symptoms reported in all the
22 rooms?

23 A I would imagine without even going through
24 and trying to look at this in the context in which
25 you have put the question, you would expect to see

45

1 headache, fatigue, dizziness associated with any
2 exposures above 100 parts per million, for sure.
3 And as you approach 4,000, you expect to see

4 confusion, listlessness.

5 Actually this refers to a report by Arnold
6 Leeman showing that 4700 parts per million benzene
7 produces listlessness and confusion after half an
8 hour and within a few hours may cause loss of
9 consciousness. I would say that's unusually
10 charitable. I would imagine that some individuals
11 would probably lose consciousness at 5,000 parts per
12 million in less than an hour.

13 Here's a description by dose from the OHIO
14 PUBLIC HEALTH JOURNAL. 6,000 parts -- 6,260 parts
15 per million causes local symptoms. 15,000 parts per
16 million is poisonous. 4,000 parts per million is
17 poisonous. 900 parts per million caused local
18 symptoms. There are a variety of different
19 collections and descriptions like that throughout
20 this. We are talking about incredibly high exposure
21 levels.

22 Q And what are you reading from?

23 A This is a report by the National Safety
24 Council on Benzol, May, 1926.

25 Q How did you happen to find that particular

46

1 article, Dr. Irons?

2 A I don't know how I found this. I don't
3 know when I got this.

4 Q Where was it published?

5 A National Bureau of Casualty and Surety
6 Underwriters. It's one of several aspects of the
7 literature that I have acquired on benzene over the
8 years.

9 Q But it was distributed by the National
10 Safety Council, you said?

11 A Yes.

12 Q In 1926?

13 A Yes.

14 Q Well, would workmen tolerate working with
15 benzene where they have to wash their hands and
16 tools and clothes with benzene, with all these
17 symptoms?

18 A I have no knowledge of that. I wouldn't.

19 But I can't -- I have no basis for giving you an
20 informed opinion on what others might or might not
21 tolerate.

22 MR. HOBSON: Let's take a short
23 break, if you don't mind.

24 THE VIDEOGRAPHER: We're off the
25 record at 3:22.

47

1 (A BRIEF RECESS WAS TAKEN.)

2

3 THE VIDEOGRAPHER: We are on the

4 record at 3:34.

5

6 (By Mr. Hobson)

7 Q To change gears with you a little bit, did

8 you see the Notice that I sent out?

9 A (Witness nods head affirmatively)

10 Q Did you bring what you published - the

11 last ten items?

12 A Yes. I think that's it. (Tendering) It's

13 close, if not exact.

14 Q (Reviewing documents) When is the last

15 time you did any work for the API?

16 A This year.

17 Q Have you continued to get money from them

18 since the last time we had a deposition together?

19 A I don't remember when exactly the last

20 time we met; but I have been funded at various

21 levels more or less continually since then, I

22 believe.

23 Q Well, how much is your current funding

24 level from API?

25 A I suspect this next year it will be on the

48

1 level of \$100,000 total.

2 Q And "next year" being '99?

3 A Yes, basically. I'm not exactly sure what
4 the fiscal year is for that, but it's close.

5 Q What about for this year?

6 A I believe it's been 200.

7 Q And the year before?

8 A It would have been the same.

9 Q And the year before?

10 A Now we are getting into -- I believe it
11 was more, but I'm not sure how much more. It might
12 have been 300. I don't recall.

13 Q Has your level of funding from the
14 American Petroleum Institute been more than \$300,000
15 a year since you have been in Colorado?

16 A It might have been from 350 at one point,
17 but that would have been total. That would not be
18 what I would see. That would be the total amount of
19 the award, but I wouldn't see all of it.

20 Q The award -- When you say "the award,"
21 what do you mean by that?

22 A Well, whatever the total amount is that
23 was part of the grant from API, the University is
24 going to take overhead from that.

25 Q And the overhead -- What's left after

49

1 overhead goes to you?

2 A Goes to my laboratory or to others.

3 But

4 Q And what has the API been getting for its
5 money these last four or five years from you?

6 A Certainly the project that I have been
7 working on for the past year has been focusing on
8 three separate areas of work. One has been looking
9 at the effects of benzene on - and benzene
10 metabolites on lymphoid biology - B and T cell
11 ontogeny, as well as activation.

12 Additional studies we have done have been
13 focusing on the development of a long-term bone
14 marrow culture model system for looking at and
15 integrating various aspects of toxicity. The API's
16 focus is benzene. Ours is benzene, as well as a
17 variety of other substances, but primarily
18 developing a long-term culture model.

19 And another aspect of work we have been
20 doing has been focusing on looking at alterations in
21 metabolism and cell-specific differentiation in bone
22 marrow associated with a variety of factors -
23 hydroquinone being one of them, benzene metabolites,
24 but also looking at other environmental factors.

25 In this case we have been looking at

50

1 smokers and evaluating potential alterations in the
2 hematopoietic regulation as a consequence of
3 cigarette smoking.

4 Q And the API has been funding that?

5 A Yes.

6 Q What do you think their interest is?

7 MR. DILLARD: Objection. Calls
8 for speculation.

9 MR. HOBSON: No. I asked what
10 he thinks that they think.

11 A They have been extremely interested in
12 determining whether or not there are other
13 populations of cells in bone marrow that are capable
14 of metabolizing or bioactivating benzene metabolites
15 besides the myeloid series. We have been looking at
16 that for a number of years, and we are reaching a
17 conclusion with respect to those studies.

18 As part of that, I recommended to them
19 that if they were concerned about looking at
20 metabolism they should also focus on looking at
21 altered regulation of differentiation because I
22 think that that is going to impact on metabolism and
23 its susceptibility. And it's my hypothesis that
24 smoking conveys a risk of developing leukemia over
25 and above the contribution it makes to the body

51

1 burden of benzene and benzene metabolites. And this
2 is because the literature is replete with examples
3 of altered hematopoietic parameters in smokers.

4 So, it was basically my suggestion that
5 they fund a pilot study to determine whether, in
6 fact, that is the case. I don't think they are
7 going to be interested in funding that in terms of
8 understanding mechanisms; but if, in fact, we do
9 describe alterations in hematopoietic regulation in
10 smokers, it will form the basis for additional
11 grants to different sponsors besides API.

12 Q What are you doing specifically to test
13 this hypothesis of yours in the laboratory?

14 A We are looking at clonogenic function. We
15 are looking at altered clonogenic response. We are
16 looking at phenotypic changes in surface markers.
17 We are looking at different populations themselves
18 to see whether or not there are shifts in the
19 differentiation paradigm that we see in normal bone
20 marrow compared to that which we see - or in smokers
21 compared to that which we see in nonsmokers.

22 I think that if smoking conveys a risk of
23 leukemia, that's probably the most important
24 contribution that it makes; and, that is, altered
25 differentiation.

52

1 At this point up until the studies we have
2 done, all of the data available on that has been
3 indirect. It's not been bone marrow. It's been
4 peripheral blood data. What we have done is focus
5 in on bone marrow.

6 Q So, you are getting bone marrow from the
7 clinical setting and determining whether it's from a
8 smoker or nonsmoker and making these analyses?

9 A We have designed the study a little bit
10 better and in a little bit more detail than that.
11 We have identified smokers. We have a clinic twice
12 a week in which we take bone marrows. We have been
13 sampling smokers, and we have been matching those
14 individuals with respect to age, gender, and other
15 criteria so that we are looking at relatively
16 comparable groups of individuals.

17 This is a small study. It's a pilot study
18 to see if we, in fact, can observe any changes.

19 Q How do you induce these graduate students
20 to give you bone marrow?

21 A These aren't graduate students. We can't
22 find any graduate students who smoke. But basically
23 ever since I have been in Colorado, we have been
24 recruiting volunteers to give bone marrow; and we
25 pay them a nominal sum of \$200. We provide them

53

1 with a great deal of serologic and clinical data on
2 themselves. That is part of the normal workup. And
3 we have never had a dearth of applicants. We
4 usually have a waiting list.

5 The smokers have been a little bit more
6 difficult to recruit because there aren't that many
7 people out there who will readily admit to smoking
8 one- or two-pack days, and that's basically who we
9 have been looking for.

10 Q Tell me how you are progressing with your
11 bone marrow culture model.

12 A The proof is in the pudding for that
13 system. And what we are looking at is whether or
14 not we can provide long-term - and by "long-term"
15 anything over six weeks is considered definitely
16 long-term - bone marrow cultures that will continue
17 to recapitulate progenitor cells and to maintain
18 stem cell activity. At this point I can't tell you
19 whether we are going to be successful or not.

20 Q That's kind of where we were two or three
21 or four years ago when I took your deposition then,
22 isn't it?

23 A We are a little bit further along than
24 that. We definitely -- We can maintain cultures now
25 out for six weeks with clonogenic activity and with

54

1 basically producing a variety of different cell
2 types. We have recently shifted to a model that was
3 originally used to characterize B lymphocyte
4 ontogeny, and I think that's going to prove to be
5 maybe more versatile than the widely-touted what are
6 called long-term cultures today.

7 It's really the conditions and the nature
8 of the cell populations that are seeded that make a
9 difference.

10 Q Can you now start off with what you
11 perceive to be pretty much the stem cell and cause
12 it to divide either in lymphoid line or the myeloid
13 line?

14 A We can give both lymphoid and myeloid
15 differentiation in culture. When I last talked to
16 you, I don't believe we could simultaneously get
17 lymphoid. We can now.

18 Q What made the difference?

19 A Basically the different conditions in the
20 media and the nature of the pattern of cytokines
21 that are used. We have a short-term culture system,
22 a liquid culture system that we published that
23 allows us to look at the myeloid differentiation;
24 and we are just beginning to analyze long-term
25 culture data. Probably within the next six months

55

1 we will have something to say about that.

2 Q It has to do with the change in your
3 culture technique that you were talking about?

4 A Cell husbandry basically. Stem cells
5 don't like to be pushed.

6 Q I wouldn't know. I never met one.

7 I was noticing one of the articles that
8 you brought with you, the Rothman paper. There's
9 quite a long list of co-authors of which you are
10 one. I take it that you know the other co-authors?

11 A I know many of them.

12 Q Would you know if any of the other
13 co-authors are also consultants to the American
14 Petroleum Institute?

15 A Bill Bechtold may have been. I can't
16 speak for him, and I certainly can't speak for him
17 now. I don't see anyone else that I think or that I
18 know for a fact was or is.

19 Q What about any of the other industry trade
20 associations? Do you recognize any of the other
21 co-authors to be affiliated with any of the industry
22 trade associations; for instance, the CMA -

23 A Not that I know of.

24 Q -- the Society of Plastics, any of those
25 people?

56

1 A Pardon?

2 Q Society of Plastics and the various trade
3 associations?

4 A I wouldn't - I wouldn't have any
5 information on that.

6 Q What has been your role in this particular
7 study of the Chinese workers?

8 A We have analyzed lymphoid populations at
9 one time or another. In that particular study we
10 were responsible for the analysis of various
11 cytokines and lymphokines, and I have consulted with
12 them in terms of evaluating lymphoid function and
13 monitoring effects on peripheral lymphocytes.

14 Q And I don't notice mention - and I haven't
15 read the whole paper - but I don't notice mention of
16 your work for the industry anywhere in the paper.
17 Do you disclose that to your co-authors?

18 A Of course. That's not - that would not be
19 something that would be relevant to that
20 publication. I was not working -- The work that I
21 did there was funded through the NCI, not through
22 API.

23 Q Without going through each one of these,
24 is any of the published work you have done been paid
25 for by the API's money - in this stack that you have

57

1 handed me here?

2 A I would have to look at it.

3 Q Okay. (Tendering)

4 A (Reviewing documents) They never put these
5 things in the same place. As it happens, no, not
6 any of these.

7 Q I notice that some of those do deal with
8 benzene and hydroquinone benzene metabolite. Did
9 simply none of that information on benzene and
10 benzene metabolites get funded by API?

11 A That's correct. The benzene and benzene
12 metabolite studies you see there were funded
13 predominantly by NIH.

14 Q I'm sorry. By whom?

15 A NIH.

16 Q How do you discern which part of your
17 benzene work is paid for by NIH and what part of it
18 is funded by API?

19 A It depends on the specific nature of the
20 project that we are working on. I have described
21 for you the three major areas we have been working
22 on with respect to studies that are funded by API.
23 Most of those are actually developmental or
24 highly -- They are more risk taking in terms of
25 developing methodology.

58

1 Most of the studies that we have been
2 doing under NIH sponsorship are looking at molecular
3 and cellular mechanisms, leukemogenesis, altered
4 differentiation, and hematopoietic regulation.

5 And, so, those molecular studies and the
6 chromosomal studies, the cytogenetic work we are
7 doing is funded through the National Institute of
8 Environmental Health Sciences.

9 Q So, are you saying that you haven't
10 published anything in the scientific literature that
11 you have attributed funding to the API for?

12 A No, that's not correct. Certainly work -
13 You asked for the last ten items.

14 Q Yes.

15 A If I had dug any deeper, I no doubt would
16 have come up with papers that have API sponsorship.
17 We probably have some abstracts that we have
18 published this last year that have API sponsorship;
19 but at this point, I don't have any papers that fall
20 into that category.

21 Q When would you say was the last time that
22 you published work that you attribute sponsorship to
23 the API for?

24 A '95 or '96. I don't know what the last
25 publication there is - whether it is '95. I think

59

1 in '95 we probably published one or two papers with
2 API at least co-sponsorship, probably '95.

3 Q And would I find in the text of the
4 publication the funding coming from API?

5 A Yes, in the acknowledgment it would be
6 listed. There are some I have that I know list both
7 NIH and API, and those would be studies where there
8 were aspects of what we were doing that represented
9 the product of both projects.

10 Q Are you funded by any other trade
11 association besides the American Petroleum
12 Institute?

13 A I have a grant from the Chemical
14 Manufacturers Association examining potential
15 mechanisms of butadiene toxicity and interactions
16 between butadiene and other agents of concern with
17 respect to synthetic rubber production.

18 I have a grant from a pharmaceutical
19 company to look at molecular mechanisms of
20 drug-induced aplastic anemia. That's been going for
21 two years and I have reason to believe it will go
22 for a third, but I can't guarantee it.

23 Q Who did you say funded that?

24 A Astra Pharmaceuticals.

25 Q And how long has your CMA funding been

60

1 ongoing?

2 A We had a break of about a year to six
3 months this past year. It's just -- We have just
4 entered into a new three-year - made a proposal, and
5 it was funded to look at the aspects of synthetic
6 rubber production that I just described. So, that's
7 basically a new grant.

8 Q And prior to the gap, how much CMA funding
9 had you received prior to that since leaving CIIT?

10 A Two to three years prior to that was when
11 it started, and I believe it was -- I can't remember
12 the precise amounts on that grant.

13 Q How much is the new grant for?

14 A \$189,000 a year; and that's gross. That
15 includes overhead.

16 Q What's your university overhead percentage
17 there?

18 A I believe that the negotiated amount on
19 nongovernment grants is 26 percent, but I could be
20 wrong on that.

21 Q Is it higher or lower on government
22 grants?

23 A It's higher, tends to be. It depends on
24 the nature of the grant. I don't pretend to
25 understand the subtleties of grant administration.

61

Q All right. Have we covered your trade
association funding that you have had since you have
gone from CIIT?

A I believe so.

Q One of the things I asked for, too, was
anything that you have submitted for publication,
but not yet published. Do you have anything in
press?

A I brought a couple of things that fit that
particular criteria. I had two things that are
submitted at the present time.

Q No title?

A One is "Benzene Metabolites, Hydroquinone,
and Catechol Act in Synergy to Induce Dose-Dependent
Hypoploidy and Minus 5 Q-31 in a Human Cell Line."

Q And where did you submit that?

A That was submitted to Leukemia Lymphoma.

Q And where are you in the publication
process?

A I'm waiting to -- It's been submitted. I
don't have any information on it.

I have another one which is entitled, "An
Essential Role for NF-kB in Human CD34 Bone Marrow
Cell Survival." And that's in submission to Blood.
And it's been revised and sent back; so, I'm

62

1 expecting to hear on it shortly.

2 Q And when you say "revised," that means
3 it's gone out for peer review and it's come back
4 with edited - editorial requests?

5 A Yes.

6 Q What else?

7 A In response to the subpoena, I brought
8 manuscripts that have been submitted but not
9 published.

10 Q All right. Let's take the first one of
11 those, and tell me about it.

12 A The first one here was basically this same
13 manuscript that we originally formatted for
14 publication in - I believe it was Science and
15 Nature. And they declined to review it because it
16 didn't meet their criteria for general interest; so,
17 that's basically the same as this.

18 I don't usually keep copies of manuscripts
19 that have not been accepted for publication. I do
20 have a couple of them that I happened to find.

21 Q That missed the knife?

22 A Well, I generally try to keep paper
23 reduction. I would swim in paper if I kept
24 everything that we ever wrote or everything I ever
25 got. I happened to find this in a graduate student

63

1 file. It was a paper that was submitted, rejected
2 for publication; and the graduate student has not
3 rewritten it and I will not rewrite it for her.

4 Q Just the title so that we will have it in
5 the record.

6 A "Hematopoietic Progenitor Cell
7 Proliferation is Altered Following Induced Stress or
8 Glucocorticoid Administration."

9 It requires editorial carving.

10 Q Did you review that prior to the graduate
11 student submitting it?

12 A Sure, but I won't rewrite it for the
13 graduate student; and, therefore, it is their
14 responsibility to -- I mean, I will take it to a
15 certain point; but I'm not going to rewrite it for
16 them.

17 The other one I happen to have in hand is
18 one that we are still working on that basically
19 here's an example of what you were referring to.
20 Here is a paper that the work represents projects
21 co-sponsored by NIH and by API and by NCI that we
22 submitted for publication in Blood and was
23 rejected. And the title is "B Progenitor Cells
24 Express Low Level Myeloperoxidase."

25 Q Were you given an explanation in writing

64

1 as to the reason for rejection?

2 A Oh, yes. We had a number of debates about
3 this submission at the time and decided to send it
4 to Blood in order to determine whether or not a
5 rigorous peer review would support one opinion or
6 the other. And they did. They basically said that
7 the work was fine, but it didn't provide definitive
8 evidence that nonmyeloid cells express
9 myeloperoxidase.

10 This was a study that API wanted us to
11 perform to determine if there were any other
12 populations in bone marrow that were capable of
13 metabolizing benzene metabolites. And it was an
14 exceedingly difficult technological set of
15 experiments and there were mixed opinions on the
16 part of the authors as to what the results showed
17 and the journal helped us come to a consensus on
18 that.

19 Q That you didn't know what it showed?

20 A Well, not really. I mean, basically the
21 journal - the reviewers indicated that while it was
22 clear that the populations we described existed, we
23 could not say that they were B cells and that,
24 therefore, we could not conclude that other than
25 myeloid cells were expressing myeloperoxidase.

65

1 That's part of the peer review process.

2 Q The paper here that you have recently
3 published on dithiocarbamates as potential
4 confounders in butadiene epidemiology, who was the
5 sponsor of that?

6 A The Chemical Manufacturers Association.

7 Q Did I just miss it? I didn't see it
8 listed here. But where would I find that?

9 A Let me see.

10 Q (Tendering)

11 A (Reviewing document) Okay. They are
12 currently sponsoring our studies on this that are
13 based or predicated upon this manuscript. They did
14 not fund this paper. They are currently funding
15 mechanistic studies to evaluate the potential for
16 confounding that we hypothesized might exist in this
17 paper.

18 Q Let me try and keep the piles somewhat
19 together. You slid this across to me awhile ago,
20 but I don't think it's in this.

21 A That's not.

22 Q It should have been in this pile.

23 Let's talk about your expert testimony for
24 awhile, if we could. When was the last time you
25 gave a deposition before today?

66

1 A I'll make it easy on myself. I believe
2 the last deposition I gave was probably in a case
3 called Friloux. It was either Friloux or Murray,
4 but I have given both this year.

5 Q That is a list of appearances that you
6 have got?

7 A Yes.

8 Q What period of time does it cover?

9 A From 1994 to the present.

10 Q And is there a reason why it starts in
11 '94?

12 A I believe you asked for that, but I'm not
13 sure.

14 Q Starting in '94?

15 MR. DILLARD: You asked for four
16 years.

17 Q So, you prepared this specifically just
18 for me?

19 A Not exactly. It coincides with also a
20 list I prepared for presentation in Federal cases.
21 Actually I don't think I edited it in any way for
22 this.

23 Q And what's your current billing rates?

24 A \$500 an hour.

25 Q And the \$500 an hour - does it go to you

67

1 or the university?

2 A It goes to me.

3 Q So, there is no overhead figure on there

4 for the university?

5 A No.

6 Q How many hours have you got in this case

7 so far?

8 A I have previously billed about

9 approximately - I don't have a record of it -

10 approximately 600,060 - excuse me - 6,000 or \$6,500,

11 which would represent approximately 12 or 13 hours,

12 something like that. And I probably have an equal

13 amount of time that I have just recently put in

14 preparation.

15 Q What is the most you've ever billed in a

16 case?

17 A Probably \$78,000. That was over ten years

18 ago.

19 Q Which one was that?

20 A Skeene.

21 Q Skeene I or Skeene II or both?

22 A Skeene II.

23 Q Do you know what your billing was in

24 Skeene I?

25 A I had no billing in Skeene I.

68

1 Q You weren't there?

2 A I wasn't there.

3 Q Can you give me an estimate of what
4 percentage of your time - I'm sorry - what
5 percentage of your income comes from litigation?

6 A Over the last few years, I would say it's
7 averaged about 50 percent.

8 Q 15?

9 A 50.

10 Q 50?

11 A Yes.

12 Q Is that up or down from what it was
13 earlier?

14 A It varies. It's hard to predict. I'm not
15 sure what it's going to be this year. I know my
16 total time this year will probably be less than it
17 has been in the past years. I don't know what the
18 total amount will be. It depends on basically
19 income from other sources.

20 Q Has your income from litigation been more
21 than 50 percent in the past for a year?

22 A Probably not. I can't say that for
23 certain, but I don't believe so.

24 Q So, it's been rocking right along at
25 50 percent the last few years?

69

1 A Well, you know, it averages. It can go -
2 It's been 40 sometimes. When I say "50," I'm
3 basically just stating an average. It's been 35,
4 40. It may have exceeded 50. I don't know that it
5 has. It depends upon my other sources of consulting
6 income, primarily.

7 Q You have, I take it, a salary from the
8 university activities?

9 A That's correct.

10 Q And, so, when you get a grant from the CMA
11 or the API that goes through the university, then
12 does that grant money go to pay part of your salary
13 from the university?

14 A I have -- My salary is fully paid by the
15 university. If I put my time on a research grant,
16 they expect me to defray them through the grant,
17 that cost. So, the amount of salary that I derive
18 as a consequence of my research activities goes to
19 the university; but my salary is paid 100 percent by
20 the university, if that makes sense.

21 Q No, it really didn't; but let me see if I
22 can restate it until I get it right.

23 You get paid a salary from the university?

24 A That's correct.

25 Q If you bring in grant money, some of that

70

1 grant money is used by the university to pay your
2 salary; but your salary doesn't change?

3 A That's correct.

4 THE VIDEOGRAPHER: We need to
5 change the tape. We are off the
6 record at 4:13.

7

8 (A BRIEF RECESS WAS TAKEN.)

9

10 THE VIDEOGRAPHER: We are back
11 on record at 4:14.

12

13 (By Mr. Hobson)

14 Q Does the amount of salary you get vary
15 depending on how successful you are in bringing in
I

16 grant money, or is that just considered to be part
17 of the job?

18 A Only very indirectly in the context that
19 my performance is evaluated on the basis of several
20 criteria, one of which is my success in doing
21 research. It's also evaluated on the basis of my
22 teaching, on my service, on my administrative
23 contributions to the university, et cetera, et
24 cetera.

25 Q And then in addition to litigation and

71

1 salary, then, you have consulting?

2 A My consulting income litigation is one
3 part of that. I also derive income from consulting
4 in the pharmaceutical industry that has nothing to
5 do with litigation.

6 Q Tell me how that works.

7 A Just the same as litigation. It's
8 basically consulting.

9 Q And what kinds of things do you do in
10 consulting for pharmaceuticals?

11 A Drug development, designing and evaluating
12 studies in support of registration with FDA or with
13 international or other regulatory agencies around
14 the world, evaluating potential hematopoietic or
15 immunotoxic hazards associated with developmental
16 drugs.

17 Q And you are working there for the
18 pharmaceutical company, not for the agency that is
19 considering the drug?

20 A Usually it's -- That's almost -- It's hard
21 to give that a single answer. Invariably the
22 pharmaceutical company has hired me as a
23 consultant. On occasion that's been at the request
24 of FDA in order to help interpret some of their
25 studies. So, FDA will ask a company to provide my

72

1 services in evaluating their data.

2 Q So, FDA asks specifically for you, but
3 gets the drug company to pick up your fee?

4 A That has happened at least once, yes.

5 Q More often, is it just the agency asking
6 for an evaluation and the drug company picking you
7 to do the work?

8 A Sometimes the agency is asked if I could
9 provide expertise after I already was consulting for
10 the company. That's happened.

11 Q Can you give me some idea of how many
12 different drug companies you consult to?

13 A It varies. That's highly -- I mean,
14 that's a function of who's having trouble with
15 what. Usually if I'm called in, it's because there
16 is a problem or a perceived problem or they're
17 having a problem in interpretation. So, it's
18 usually a Phase III drug. It's usually a drug in
19 the latter stages of development.

20 It's very hard to predict. It can be no
21 activity or tremendous activity, and that's why my
22 income fluctuates rather dramatically.

23 Q If we say this year, how many drug
24 companies have you worked for this year?

25 A One.

73

1 Q And the previous year?

2 A Either last year or the year before, I did
3 a great deal of work for two companies. This year
4 it's one. The year before that, three or four years
5 ago, I think it was probably -- No, there is one
6 there. It varies. It just varies. It's usually -
7 And also the nature and intensity of the project
8 varies.

9 Q And the work you have done this year for
10 the one company - has it been much in the way of
11 quantity?

12 A Not much.

13 Q So, this year at least the main source of
14 nonuniversity income has been litigation?

15 A Yes.

16 Q Let's see what you have brought with you
17 here today, if we could. I'm going to set this
18 stack aside and ask the court reporter to mark it as
19 a separate stack.

20 I notice these are reprints. Did you
21 bring these for us to keep, or would you like them
22 back?

23 A You can keep those.

24 Q Okay.

25 A These are the only copies of these in

74

1 existence.

2 Q All right. And you are talking about the
3 ones that were submitted or rejected?

4 A Either that or revised.

5 Q And will you trust our court reporter to
6 keep those for you and return them to you?

7 A (Witness nods head affirmatively)

8 Q What else did you bring in front of you?

9 A A list of cases.

10 Q Okay.

11 A Notes that I have taken in this case.

12 Q That's three ruled notebook pages with
13 handwriting, and it's your handwriting?

14 A Yes, it's my scribble.

15 A copy of the subpoena duces tecum, the
16 list of materials that I reviewed in the case, two
17 letters - submittal letters that accompanied
18 material I received. I don't think these are all of
19 them. I think there must have been another one, but
20 I don't have it with me. It may be buried in the
21 medical records.

22 Papers that I brought in that I reviewed
23 with respect to this case. These are not all
24 inclusive. Some of the others, as I mentioned, have
25 been submitted by other experts in the case; but I

75

1 didn't bring them. I didn't bring Dr. Neal's book.
2 I didn't bring Dr. Aksoy's book and a few references
3 like that, but these are the ones that I wanted to
4 make sure of.

5 Q Can you tell me any of the references that
6 are attached to other depositions that you
7 particularly utilized that you didn't bring?

8 A I would have to review them to give you a
9 responsible answer to that. I looked at most of
10 them -

11 Q So -

12 A -- if not all of them.

13 Q So, the ones you really planned on using
14 are here today?

15 A Yes. I didn't -- Again, like, I brought
16 an excerpt of Dr. Jandl's book. I didn't bring the
17 book, but clearly that's a reference. Dr. Neal's
18 book.

19 MR. DILLARD: You say

20 "Dr. Neal." You mean Dr. -

21 THE WITNESS: I'm sorry. Neal

22 Young. I'm mixing that up. I'm

23 sorry.

24 A I have got one exhibit from Dr. Natelson,
25 which is the most recent or at least a very recent

76

1 peripheral blood analysis of Mr. York.

2 And we have gone through the rest of it.

3 Q I think we have. Let's take a short break

4 here, and maybe we can get the court reporter to

5 mark some of these. I don't care to have some of

6 that stack that's over there. I have forgotten what

7 is there now. The list of things you brought or

8 provided, I don't care for that piece of paper. I

9 don't think -- I guess I will take the transmittal

10 letters because they have dates on there. But there

11 was something else in there that I didn't think I

12 needed.

13 A The subpoena?

14 Q Yes, I don't need that. I have seen it

15 somewhere. That's probably it.

16 So, if we could have the court reporter

17 mark those in a short break, we'll come back and

18 prove those up; and maybe I will be done.

19 THE VIDEOGRAPHER: We are off

20 the record at 4:22.

21

22 (A BRIEF RECESS WAS TAKEN.)

23

24

25 (IRONS EXHIBIT NOS. 1 THROUGH

77

1 41, WERE MARKED FOR IDENTIFICATION.
2 SAME WILL BE FOUND AT THE CONCLUSION
3 OF THIS DEPOSITION.)

4

5 THE VIDEOGRAPHER: We are on the
6 record at 4:37.

7

8 (By Mr. Hobson)

9 Q Dr. Irons, we took a little break here;
10 and we marked the different items. 1 through 10,
11 that's the collection of recently-published articles
12 that you brought for me; is that right?

13 A That's right.

14 Q And then 11 through 32, that would be the
15 list of articles that you primarily are relying upon
16 in this case. Would that be so?

17 A That's correct.

18 Q And then from 33 through 37, that's the
19 publications that you said were offered for
20 publication, but not accepted?

21 A Not entirely. Some of those are currently
22 being considered for publication at the present
23 time.

24 Q That's right. And you went through which
25 one was and which one wasn't?

78

1 A That's correct.

2 Q And then the others are the miscellaneous
3 letters, your handwritten notes, and your list of
4 appearances, correct?

5 A That's correct.

6 Q In the information that you brought for me
7 here today, is your new system, your new model for
8 stem cell development set forth in any of these?

9 A I'm not sure what you are referring to.

10 Q I think you told me that you had changed
11 your model, and I have forgotten exactly what you
12 were saying before. But it somehow involved B
13 lymphocytes so that you could then get
14 differentiation of the myeloid and lymphoid line?

15 A Oh, in terms of an experimental model?

16 Q Yes, sir.

17 A No, because we haven't published anything
18 on that. The proof is in the pudding with that, and
19 there is no value in talking about it. One has to
20 do it. So, until we have the culture system that
21 allows us to do that, I don't have any publications
22 on that.

23 Q And, so, all the information that you have
24 published in the past is really on the earlier
25 system, the earlier model?

79

1 A If you are talking about data derived from
2 different experimental systems, we have the liquid
3 culture system, we have a number of different
4 analytical systems using bone marrow cells and cell
5 lines. If you are talking about a long-term bone
6 marrow culture system, we haven't published on it.

7 Q I'm thinking from our last visit that you
8 were describing for me a model where you were able
9 to take uncommitted stem cells and utilizing either
10 benzene or benzene metabolites be able to create
11 cells in the myeloid direction as opposed to the
12 lymphoid line.

13 Do you remember our discussions about
14 that?

15 A Yes. Well, vaguely. Certainly the liquid
16 culture system I described or that is included here
17 does that. It looks at myeloid differentiation.

18 Q But not lymphoid?

19 A That's correct.

20 Q And, so, the system doesn't really start
21 with an undifferentiated stem cell that you can then
22 direct in another direction, but it starts with a
23 committed myeloid stem cell?

24 A For the -- Certainly for the models that
25 are described - the culture system as described in

80

1 those publications, that's the case. We have
2 published on different isolated effects of benzene
3 metabolites in other substances on lymphoid ontogeny
4 and lymphoid development; but those are looking at
5 specific aspects of the process, not looking at a
6 complete differentiation model.

7 Q Already committed and starting down the
8 line and then you bombard them with some metabolites
9 or benzene?

10 A Or very early effects that may precede a
11 single lineage commitment, but we are looking at
12 isolated cell populations as opposed to a functional
13 differentiated model.

14 Q And I take it your hope is that your new
15 culture models where you can get differentiation
16 down both lines will expand your ability to look at
17 different chemicals and their metabolites and how
18 they affect the blood?

19 A It will make it easier. I think that we
20 can do a lot by looking at the specific - with the
21 specific systems we have, a lot more than we used to
22 be able to because of the technologies that we
23 have.

24 Obviously the hope is that we will be able
25 to monitor effects in both lineages ideally in the

81

1 same system or, if necessary, in separate systems.

2 Q How about in systems that start with the
3 progenitor stem cells?

4 A Well, for the progenitors, that's no
5 problem. We have basically got that fairly well
6 described. I mean, it's consistent with what is
7 seen in both animal models and also in secondary
8 leukemias in humans.

9 Q And the latest paper that you have
10 published in that light would be what?

11 A Well, certainly the - looking at
12 transcriptional regulation in a role of NF-kB in
13 human progenitors, we've described a role for a
14 transcriptional regulatory element that appears to
15 be necessary for stem cell survival and for
16 clonogenic response in bone marrow, certainly in the
17 myeloid lineage.

18 So, that would be the latest; and that's
19 one that has been submitted for publication.

20 Q And not one of the ones you brought here?

21 A Yes, it's one of the manuscripts.

22 Q Is that in this rubber-banded set?

23 A Yes.

24 Q And which number article would it be,
25 please?

82

1 A 35.

2 Q All right, sir.

3 A In addition, we have been able now to
4 analyze actual C34 positive human bone marrow cells
5 for clonogenetic - clonogenic lesions in terms of
6 cytogenetic aberrations in vitro. So, that is also
7 a system that allows us to look at virtually all
8 repopulating cells in terms of the potential for
9 cytogenetic information.

10 Q Not published yet, though?

11 A No. The model has been published, but
12 actually taking it to human bone marrow is something
13 that we have -- The studies are completed, but I
14 haven't analyzed the data.

15 Q And funding for that by?

16 A NIH.

17 Q And which chemicals or chemical
18 metabolites would have been involved there?

19 A Hydroquinone for the first series -
20 hydroquinone and catechol.

21 Q In this era of Dolbert and all of its
22 proteges, have you -- I should say projects or
23 proteges. Maybe "proteges" is the right word -
24 projects.

25 Has your testimony ever been refused by

83

1 any court?

2 A I have had one court disallow a very
3 narrow aspect of my testimony in a case. It was a
4 very bizarre case. I don't know why he did it. The
5 judge signed an order that was written verbatim by
6 the plaintiff's attorney. It contains numerous
7 errors, falsehoods, misrepresentations. It actually
8 states an opinion I did not make in the case and
9 never have made. The judge disallowed that
10 particular aspect of my testimony. The Supreme
11 Court stayed the case to review that order, and the
12 case was recently settled.

13 Q And where was that pending?

14 A West Virginia.

15 Q Anyplace else?

16 A No.

17 MR. HOBSON: Well, I think
18 that's all I've got. I appreciate
19 your patience.

20 MR. DILLARD: We will reserve
21 all our questions.

22 THE VIDEOGRAPHER: We are off
23 the record at 4:46 p.m.

24

25 **(WHEREUPON THE DEPOSITION WAS CONCLUDED.)**