

CIRCUIT COURT OF THE CITY OF CHESAPEAKE, VIRGINIA

WILLIAM STACEY OVERBY,

Plaintiff,

versus

Docket No.
20555-M

GARLOCK INC.

Defendant.

Deposition of GEORGE ELWOOD HOUGHTON, held at 516 West Main Street, Palmyra, New York on December 4, 1986, commencing at 10:00 a.m.

APPEARANCES: GLASSER and GLASSER, ESQS.
Suite 400
125 St. Paul Boulevard
Norfolk, Virginia 23510
Appearing on behalf of the Plaintiff
By: RICHARD S. GLASSER, ESQ., of Counsel

DUANE and SHANNON, ESQS.
The Joynes House
209 West Franklin Street
Richmond, Virginia 23220
Appearing on behalf of the Defendant
By: JAMES C. SHANNON, ESQ., of Counsel

ALSO PRESENT: BERNARD L. LEVINTHAL, ESQ.
HELEN ZORNOW

REPORTED BY: CAROL P. RAES
Midtown Reporting Service
1100 Times Square Building
Rochester, New York 14614
(716) 325-2130

I N D E X T O W I T N E S S E S

GEORGE ELWOOD HOUGHTON

Examination by Mr. Glasser	3 - 106
Examination by Mr. Shannon	106 - 107

I N D E X T O E X H I B I T S

<u>EXHIBIT NO.</u>	<u>DESCRIPTION</u>	<u>MARKED</u>
1	Four-page document; first page reading "Mr. Bristol" and "Important"	49
2	Asbestos Textile Institute minutes of June 19, 1946 meeting	59
3	March 13, 1946 Dust Control Meeting minutes	64
4	June 13, 1946 Dust Control Committee minutes	67
5	85 pages of documents; first document being "Report Preliminary Dust Investigation For Asbestos Textile Institute, June 1947"	75
6	Minutes of March 8, 1956 meeting of Asbestos Textile Institute	81
7	Minutes of March 7, 1956 meeting of Asbestos Textile Institute	81

1 MR. SHANNON:

2 There is one stipulation. I'll read
3 it from a letter of September 5 to me from
4 Robert Hatton. Paragraph 4 of that letter
5 states, "The deposition of George Elwood
6 Houghton will proceed at a time and place
7 convenient to Garlock and the witness, within
8 three weeks of this date and, of course, we
9 have by agreement abrogated that particular
10 date requirement. And upon completion of
11 such deposition, it shall be placed under
12 seal and not be used under evidence except in
13 cases in which the law firms of Glasser and
14 Glasser or Patten, Wornom and Watkins are
15 counsel of record. This deposition will be
16 noticed in a case in which Garlock is the
17 only defendant and no defense counsel shall
18 attend the deposition other than Garlock's
19 counsel. However, in the event that such
20 deposition reveals information which would be
21 relevant and material to the liability of
22 other defendants in asbestos products
23 liability litigation, then in that event,
Garlock agrees that the deposition may be

1 renoticed to permit defense counsel an
2 opportunity to participate in the deposition
3 and have it in a form that would be
4 admissible in litigation in which other
5 defendants were involved."

6 As a follow up to that letter of
7 September 5, I responded to Bobby Hatton on
8 September 18, and the following portion of my
9 letter of September 18 is also pertinent to
10 the taking of this deposition. "Also, I
11 understand that your agreement not to use
12 this deposition other than in a case in which
13 you are counsel of record refers to your
14 involvement as counsel who originated a
15 particular plaintiff's case. We do not
16 understand," we, being Garlock, "that we have
17 agreed that you are eligible to be associated
18 in other plaintiffs' lawyers' cases around
19 the country and use your status as counsel of
20 record to offer this deposition against
21 Garlock therein." So under those terms and
22 conditions we're here today to proceed with
23 Mr. Houghton's deposition.

1 MR. GLASSER:

2 If I may make a preliminary comment,
3 as Jim Shannon is well aware, I am associated
4 with Bobby Hatton, and I am aware of both
5 Bobby's letter of September 5, 1986 and Jim
6 Shannon's response of September 18, 1986,
7 both of which you have accurately reported to
8 the record. I do want to make sure that my
9 feelings are known that the letter of
10 September 5, 1986 confirmed a settlement that
11 was made in ten cases in which Garlock was a
12 defendant. These ten cases were either
13 handled by my office or by Bobby Hatton's
14 office or by our offices jointly, and they
15 were pending either in the state of Virginia
16 or in the state of Texas. The provision
17 concerning Mr. Houghton's deposition and that
18 it be placed under seal and other provisions
19 calling for basically a temporary moratorium
20 in discovery were requested by Garlock, were
21 a part and parcel of the settlement
22 procedure, and as Jim has consented to so
23 that we could in fact resolve the differences
for the ten plaintiffs that we were

1 representing. They were consented to by the
2 plaintiffs; myself, included. I make this
3 statement because I'm not in favor of sealed
4 depositions. It was not my desire that it be
5 placed under seal, but it was, as Jim
6 reported, a part of the discussions that
7 ended with the resolution of ten cases. With
8 that comment, I'm prepared to proceed and I
9 would ask you please to swear the witness.

10 MR. SHANNON: We're reserving all objections except
11 as to the form of the question.

12 MR. GLASSER: That's correct.

13
14 G E O R G E E L W O O D H O U G H T O N , called herein
15 as a witness, having first been duly sworn, was examined
16 and testified as follows:

17
18 EXAMINATION BY MR. GLASSER:

19 Q Before I ask you any formal questions, I just wanted to
20 let you hear my voice a little bit as I will be talking
21 to you once the formal questioning starts to make sure
22 that you can hear me and understand me. Do you
23 understand?

- 1 A Yes.
- 2 Q I notice that you're wearing two --
- 3 A I have it in both ears, yes.
- 4 Q -- two hearing aides, and you have told me before the
- 5 deposition started you might need questions repeated,
- 6 so if at any time you don't understand my question or
- 7 I'm not clear, please don't hesitate to ask me to
- 8 repeat or to rephrase.
- 9 A I won't.
- 10 Q And if at any time you want to take a break or a rest
- 11 for whatever reason, if you will tell us we will
- 12 promptly do so.
- 13 A Yes.
- 14 Q And we have here with us today at everyone's request
- 15 Helen Zornow who is a nurse and she'll also be looking
- 16 out for you.
- 17 THE WITNESS: You can see my eyes, all right?
- 18 MRS. ZORNOW: Yes.
- 19 Q You're comfortable and you're ready to begin?
- 20 A I'm relaxed.
- 21 Q Thank you, sir. Just so the record will be clear, Mr.
- 22 Houghton, would you state your full name?
- 23 A My full is name is George Elwood, E-l-w-o-o-d,

1 Houghton, H-o-u-g-h-t-o-n. I go by my second name,
2 Elwood.

3 Q And today is December 4th, 1986?

4 A Correct.

5 Q And we are at your home at 516 West Main Street in
6 Palmyra, New York.

7 A Yes, 14522.

8 Q Mr. Houghton, have you given any past sworn testimony
9 in any asbestos-related matter?

10 A Yes, we have had the investigations.

11 Q And what type of investigations were they?

12 A I'd say similar to what you're going to carry out.

13 Q And can you tell me about how many times you have had
14 testimony like this that you have given?

15 A I'd say similar. I've been asked questions.

16 Q Have they been with the presence of a court reporter?

17 A I don't recall any of that being done.

18 Q Were they involving only attorneys from Garlock or did
19 they also involve attorneys like myself who --

20 A It would be both.

21 MR. GLASSER: Off the record.

22 (Whereupon a discussion was held off the record.)

23 Q Have you ever been called upon to give any statements

1 to any legislative body or to any trade association
2 concerning asbestos?

3 A I was the representative from Garlock to Asbestos
4 Textile Institute.

5 Q I will discuss that with you later on in our
6 discussion. Have you ever written anything concerning
7 asbestos?

8 A My major writings have been regarding the asbestos
9 spinning operation.

10 Q And do you have copies of what you wrote concerning the
11 asbestos spinning operation?

12 A I might possibly have some, but they would be buried in
13 file papers. I'd have to search. I don't know.

14 Q Can you tell me during what time frame you did writings
15 concerning asbestos spinning?

16 A Well, that was while I was active with Asbestos
17 Textile Institute. I think it was probably about
18 1962 to 1970. Any report I might have made would
19 have been probably about 1968, in that neighborhood.

20 Q I'm going to drop back, Mr. Houghton, and ask you if
21 you will review for me your educational background.

22 A I graduated from Westtown's School in Pennsylvania in
23 1924. That was a college preparatory school. I

1 attended Philadelphia Textile -- Philadelphia Textile--
2 no, it was called -- Yes, Philadelphia Textile School
3 was the name of it. It was located at Broad and Pine
4 in Philadelphia at that time. It has been changed from
5 Philadelphia Textile to Philadelphia College of
6 Textiles and Science now.

7 Q How long did you attend there?

8 A That was a three-year course.

9 Q Did you learn anything during that schooling period
10 about the use of asbestos as a textile?

11 A No.

12 Q Did you graduate from the Philadelphia Textile School
13 approximately 1927?

14 A 1927.

15 Q And following your graduation, what was the first type
16 of employment that you had?

17 A I moved from school right to Johns-Manville Corporation
18 in Manville, New Jersey.

19 Q What was the nature of your employment at
20 Johns-Manville or field?

21 A I was in the asbestos textile manufacturing which
22 involved fiber preparation, spinning and twisting and
23 weaving asbestos cloths and yarns.

1 Q That was your specific responsibility?

2 A Yes, I was there for 12 years, and so I moved from
3 learning the trade and then up to you might say an
4 engineer of various operations. I had exposure to all
5 of the operations from the fiber preparation where
6 fibers were sold as such and spinning into asbestos
7 yarn, carding and twisting, weaving. I became
8 acquainted with braiding, which was in the neighboring
9 department, so I had a pretty broad education; also in
10 safety clothing manufacturing.

11 Q Primarily, if I understand you correctly, your
12 experience at Johns-Manville was with the manufacturing
13 of asbestos cloth and asbestos yarns?

14 A That's right.

15 Q How much occasion did you have to see and be around the
16 machines where the fibers were prepared, spun and
17 twisted in the manufacturing process?

18 A I'd say complete knowledge of the mechanical
19 processes. I was sent to set up textile and instruct
20 people on the handling of the equipment.

21 Q And did your job responsibility relate to the equipment
22 itself and how it should be set up from an engineering
23 standpoint?

1 A Yes.

2 Q Did you also have a role concerning how the dust would
3 be ventilated or controlled? I'm speaking of asbestos
4 dust that was generated during the various processes of
5 the manufacturing that you've described.

6 A Yes.

7 Q What was available in the 1927-1939 time frame to
8 enable you to help control asbestos dust?

9 A More or less your own ingenuity. I don't think we had
10 much in actual magnifications or dust-studying
11 equipment.

12 Q Did they have exhaust fans and ventilation?

13 A Yes.

14 Q Did they have hoods that could fit over dusty areas to
15 gather and remove the dust?

16 A That was particularly true in fiber preparation and
17 carding. Some hooding was applied to looms.

18 Q Did they also understand that you could separate or
19 isolate a dusty process so that the dust that was being
20 created would not go all over the work environment?

21 MR. SHANNON: I object to the leading of that
22 question.

23 A The hooding was designed for that, yes.

1 Q You were aware that in the 1927 to 1939 time frame
2 there were also respirators that were available?

3 MR. SHANNON: I object to the leading.

4 A We used respirators for the men that worked in the
5 dustier areas like fiber preparation and carding,
6 handling of stock, and so forth.

7 Q How were respirators used by the men who handled the
8 stock and were in the various manufacturing processes?

9 MR. SHANNON: I object to that. It mischaracterizes
10 his former testimony.

11 A It would be used because it was recognized as a dusty
12 area. There were limits set by the federal
13 government at that time where dust should be not
14 exceeding 5 microns per cubic foot, in the type of
15 studies that were done at that time. We had samples
16 sent away, we had some outside insurance companies come
17 in and make analyses, and our efforts were to try and
18 live under the federal requirements.

19 Q You mentioned 5 microns per cubic foot. Could that
20 have been 5 million particles per cubic foot?

21 A A micron, I think, is a ten thousandth of a
22 millimeter. I think. I'm not positive on that, but a
23 micron was a microscopic measurement.

- 1 Q I understand what a micron is. My question, Mr.
2 Houghton, is that the standard that you described?
3 Think back and say would you agree that that standard
4 was 5 million particles of total dust in a cubic foot?
- 5 A No, it would be 5 microns in an examination microscopic
6 examination area. I think the sampling was taken
7 against a glass plate, and that area was magnified, and
8 the particles are counted in a certain area on that
9 magnified sample.
- 10 Q Let me try to clarify. There was a standard that you
11 tried to stay within, and in determining size, there
12 were microscopes available in order to allow the dust
13 that had been gathered in testing to be counted.
- 14 MR. SHANNON: I object as the leading. He hasn't
15 testified to that.
- 16 A This measurement device I'm mentioning was not
17 available to me until I was at Garlock.
- 18 Q I understand, but at Johns-Manville, they were able to
19 take dust counts.
- 20 A The insurance company did that.
- 21 Q And when they took dust counts, they will then count
22 it under a microscope, is that correct?
- 23 A That's the way I understand they did it.

1 Q And they had a standard that they tried to stay within
2 when they were dealing with asbestos dust?

3 A Yes.

4 Q Why was there a standard for asbestos dust in the 1927
5 to 1939 time frame?

6 A That was in the hands of the insurance company and it
7 was not discussed with us.

8 Q Do you know whether the insurance company that handled
9 and studied Johns-Manville was the Metropolitan Life
10 Insurance Company?

11 A I can't answer that accurately. It may have been, but
12 it was not my knowledge.

13 Q Did you ever have occasion to meet Dr. Anthony Lanza?

14 A I don't remember that name.

15 Q You do recall, however, that the insurance companies
16 came into Manville and made studies and dust counts
17 concerning the asbestos textile operation?

18 A I think my answer would be I know they have come in.

19 Q Do you know, Mr. Houghton, whether the results of
20 those studies were ever published and made available
21 in the literature?

22 A Not that I could -- not that I was exposed to, no.

23 Folks up the ladder may have heard it, but I was down

1 low.

2 Q Your work was primarily at the level where the
3 manufacturing machines were being designed, developed
4 and used?

5 A I was associated with the machines producing the
6 material, but I was not connected with the designing of
7 cards or fiber preparation. I did do some design work
8 on looms.

9 Q Did your design work on the looms take into
10 consideration how to control the dust that could be cut
11 out by the asbestos fibers that were being spun in
12 looms?

13 A Any equipment designed would be to act like a vacuum
14 cleaner but not get in the way of the manufacturing
15 operation of the equipment.

16 Q And by vacuum cleaner, they had vacuum cleaners in the
17 1927-1939 period, is that correct?

18 A Yes, they had fans and hoods connected with the vacuum,
19 yes.

20 Q And the vacuum cleaner effect was to remove the excess
21 dust without interfering with the manufacturing
22 process?

23 A Yes. We realized that to pick up dust, you had to

1 approach as closely as possible to the movements that
2 were taking place to be effective.

3 Q And you wanted to remove the asbestos dust from the
4 work environment to avoid any hazards associated with
5 breathing too much asbestos dust?

6 MR. SHANNON: I object to the leading questions,
7 Richard. He hasn't said anything about
8 asbestos dust. He's talked about dust. If
9 you're going to ask why, ask him. Don't tell
10 him.

11 MR. GLASSER: I want to make it very clear that I
12 have a right to lead this witness, and that I
13 don't think an objection as to leading is
14 appropriate, and I feel that my questions are
15 proper as structured. I understand your
16 objection, and you may object as to form if
17 you feel it's appropriate, and obviously, I
18 would want to have an chance to rephrase it,
19 but I do feel that leading is permissible
20 here.

21 MR. SHANNON: I understand that under the conditions
22 we're working under today. I feel you might
23 be advised to be very circumspect in your

1 leading questions considering Mr. Houghton's
2 age and considering his hearing difficulties.

3 MR. GLASSER: I'm trying but I feel my questions are
4 proper in terms that he is a long-term
5 Garlock employee.

6 Q Don't you concern yourself with the lawyers talking.
7 We're talking to the judge who didn't want to come up
8 and brave the snow with us.
9 (Whereupon the reporter read back the pending
10 question.)

11 Q Do you remember my question that I asked before I
12 talked with Jim Shannon?

13 A I didn't have a machine like that, I know.

14 Q Let me repeat my question as best I can. We were
15 talking about the vacuum effect that was used on the
16 machines. The looms and the spinners were making
17 asbestos cloth, am I correct?

18 A The looms were making asbestos cloth.

19 Q And asbestos cloth was generally about 75 percent or
20 more asbestos fiber by weight, am I correct?

21 A Yes, that's correct.

22 Q And even higher running to 80 percent or 90 percent
23 asbestos percentage by weight.

1 A Sometimes 95 percent.

2 Q And the concern about the vacuum effect was to remove
3 as much of the asbestos dust as could be done so as to
4 reduce any hazard to the workers who would be breathing
5 the dust?

6 A Yes.

7 Q And that's because it was known that the breathing of
8 asbestos dust could be harmful to those who breathed
9 it?

10 MR. SHANNON: I object to that. I think it was
11 known that the breathing of any dust could be
12 harmful to those breathing it, as we know
13 that people who worked in cotton mills today
14 have brown lung and other diseases. I object
15 to your question as it implies special
16 knowledge that this man has about the hazard
17 of asbestos dust, and no such knowledge has
18 been established.

19 Q Mr. Houghton, wasn't it known by you in the 1930's that
20 it was important to limit the amount of asbestos dust
21 that a person breathed?

22 A You're looking at history where people have been
23 learning. We look back and we can see some things we

1 have been learning over the years; in other words,
2 we've made some sort of progress. We have not been
3 aware all that time of everything that we now know.

4 Q I understand, but they knew in the 1930's when you were
5 at Johns-Manville that it was important to try to
6 control and limit the amount of asbestos dust that the
7 manufacturing process was generating?

8 A Yes.

9 Q And part of your job as an engineer working in the
10 asbestos textile manufacturing was to help with the
11 design to limit the dust.

12 MR. LEVINTHAL: I object to that. It mischaracterizes
13 his prior testimony as to what involvement he
14 had with it.

15 MR. GLASSER: Jim and I are nodding at each other.
16 If we can have one, it will make the sides
17 fair and conform to our court rules.

18 MR. SHANNON: That's right. I will have to make
19 the objections. I will make that my
20 objection and we'll proceed.

21 (Whereupon the reporter read back the pending
22 question.)

23 Q Is that correct?

1 A That's one of the duties, yes.

2 Q What progress can you describe to me that was made in
3 limiting dust while you were at Johns-Manville? Did
4 you play any role in helping to reduce the dust of
5 the workers?

6 A I get confused with knowing that I did more actively
7 work here, but at Johns-Manville, my job was not
8 designing particularly for dust control.

9 Q And you say when you became more active "here," you
10 mean once you became an employee at Garlock?

11 A I was a superintendent here.

12 Q And "here" is the Garlock plant at Palmyra, New York?

13 A Yes.

14 Q While you were at Manville, was there any discussion
15 that you can recall concerning the hazard that could
16 occur to a person who breathed too much asbestos?

17 A To my position there, I think I did not get in on those
18 engineering discussions.

19 Q Can you tell me how you learned that there was a
20 standard that you should strive to stay within when
21 dealing with the quality of asbestos dust?

22 A I can't give you a good answer when I learned that it
23 would be best to keep it at a certain level. I think

1 probably casual discussions would be the best I could
2 say.

3 Q While you were at Manville?

4 A Johns-Manville.

5 Q While you were at Manville, did you have any occasion
6 to attend any meetings or educational seminars
7 concerning your engineering expertise relative to
8 asbestos textile manufacturing?

9 A No seminars. Practical experience on the job was
10 probably the major -- where I picked up information.

11 Q Who were, in your opinion, the most knowledgeable
12 people at Manville concerning the need to control dust
13 while you were there?

14 A I think Mr. Cannon, Phil Cannon was probably the
15 closest. I don't think you'd have much information
16 from him now.

17 Q He's deceased?

18 A Yes.

19 Q Did you know Clifford Sheckler?

20 A I think I know the name, but I don't think I associated
21 with him any.

22 Q Did they have a medical department at the Manville
23 plant?

1 A Later they worked into a need for a nurse, but when I
2 was there, they had a doctor, but most of the medical
3 treatments were made in the office of the factory;
4 very limited medical service at the beginning.

5 Q Were you aware as to whether there had been any
6 Workmen's Compensation suits filed against Manville in
7 the 1930's for asbestos disease?

8 A I was not aware of suits at that time.

9 Q You're aware now that they had suits in the 1930's?

10 A Yes.

11 Q Did you have occasion while you were working at
12 Manville to travel around to any other Manville plant
13 other than the textile manufacturing facility at
14 Manville, New Jersey?

15 A I only picked up equipment at the Waukegan factory
16 when -- This is 1933. I was asked to move equipment
17 from both Manville and Waukegan to the asbestos factory
18 at Asbestos, Quebec. Factory at Asbestos, Quebec was a
19 plant with a number of departments making similar
20 products to those at Manville.

21 Q They also had some mining facilities that Manville
22 owned in Asbestos?

23 A That was the major. What was going on there was there

1 mining, but they had set up a production plant there,
2 too, for Canadian product and I was sent up there when
3 they wanted to set up a textile plant, and so I went up
4 there with equipment from Manville and Waukegan, but I
5 saw very little of Waukegan's production. I was just
6 taking some machinery away.

7 Q Did you help in setting up the layout for the asbestos
8 textile manufacturing facility that was being set up in
9 Asbestos, Quebec?

10 A Yes.

11 Q And you were aware at that time it was important how to
12 lay it out and also to lay it out so that you could
13 control the dust that would be generated?

14 A Yes. We -- the cards already had hooding on them.

15 Q The carding machines?

16 A Yes, the carding machines had hooding, so I had them
17 lay out a dust recovery department with fans exhausting
18 the hoods of the cards and blowing into a recovery
19 system so that you weren't blowing the dust over the
20 countryside.

21 Q And in that fashion, you were able to remove much of
22 the asbestos dust from the work environment and then
23 capture it so it would not, like you say, be blown

1 outside the plant to the neighborhood?

2 A Yes, it was filtered.

3 Q How advanced was the thinking at Manville concerning
4 the need to control asbestos dust in the 1930's?

5 A They had made good advances. The old system was fans
6 on top of the building, not down where the dust are
7 generated. The dust was in the room. Then when I got
8 there, they had just installed 48 sets of cards with
9 dust recovery systems blowing into a filter house on
10 the roof.

11 Q And the dust recovery system on the cards was close to
12 the source where the dust was being generated?

13 A Yes, completely covering the hooding and the machine;
14 also drawing out from underneath the card, too.

15 Q Now, while you were at Manville, did you have occasion
16 to see any of their asbestos product manufacturing
17 facilities other than textiles, like the pipe covering?

18 A Yes.

19 Q And they also had a pipe covering facility right at
20 Manville?

21 A Yes.

22 Q And were they also using engineering devices for dust
23 control in the other operations, other than textile

1 manufacturing?

2 A There was some hooding where dry asbestos was handled
3 to feed into mixers. There was hoods over that area.
4 Mostly, though, the recovery systems were connected
5 with the cards and the looms.

6 Q Did you have occasion during your time at Manville ever
7 to see the manufacturing processes at another
8 manufacturer's plant?

9 A Yes.

10 Q Can you tell me whose plants you had occasion to visit?

11 A Keasbey & Mattison. That was I think at north -- or it
12 was in Pennsylvania, yes.

13 Q Was that Ambler, Pennsylvania?

14 A Yes. And it became -- They were purchased by American
15 Asbestos, so Keasbey & Mattison disappeared and
16 American Asbestos took it over.

17 Q Do you know whether Nicolet also purchased some of
18 Keasbey & Mattison?

19 A I never went to any Nicolet plant in Pennsylvania. I
20 went by the Nicolet mines in Canada, but I didn't visit
21 it.

22 Q You did not go into the Nicolet mines?

23 A No.

1 Q When you went to the Keasbey & Mattison textile plant,
2 how up to date as compared to Manville --

3 A The date?

4 Q No, how up to date, how advanced were they as compared
5 to Manville in their efforts to control asbestos dust?

6 A Keasbey & Mattison were not as advanced as
7 Johns-Manville.

8 Q What was the purpose of your going to Keasbey &
9 Mattison?

10 A They wanted me to take a job there as supervisor. I
11 didn't go.

12 Q Did you have occasion to go to any other asbestos
13 companies other than Keasbey & Mattison while you were
14 at Manville?

15 A There's one other I visited, may have been a Raybestos
16 division. I think it's a Raybestos factory but it was
17 owned -- it was a different name. It was in about
18 central Pennsylvania.

19 Q It was an asbestos textile facility?

20 A Yes.

21 Q How advanced, from what you could observe, was the
22 Raybestos-Manhattan facility?

23 A I think they were somewhat similar to Johns-Manville.

- 1 Q By similar, you mean that they had made some of the
2 same advances to control dust?
- 3 A Hooding and so forth, yes.
- 4 Q Did you ever have contact while you were at Manville
5 with anyone from Raybestos concerning asbestos or
6 engineering dust control measures?
- 7 A No. It was more observation of the unit. That's all.
8 Not dust control or problems.
- 9 Q Why did you go to the Raybestos-Manhattan facility?
10 Was that in the 1930's?
- 11 A It was in the 30's. I'm hazy on that. I was sent
12 there by Johns-Manville for some purpose. It may have
13 been for picking up cylinders or equipment. They may
14 have had something for sale, and so other than that, I
15 can't give you an answer.
- 16 Q Other than Keasbey & Mattison and Raybestos, do you
17 recall seeing any other asbestos manufacturer's
18 operation?
- 19 A I've seen several after being here, not at Manville.
- 20 Q Still at Johns-Manville, another question, did you ever
21 have a chance to see the gasket manufacturing operation
22 at the Manville facility?
- 23 A Yes.

1 Q Can you tell us what you observed concerning the gasket
2 manufacturing operation at Manville?

3 A Cloth from asbestos textile was shipped to them. There
4 were more than one operation. One was dipping, passing
5 the cloth through a gasoline rubber shamoy and then
6 passing it up through a drying towel to remove the
7 gasoline fumes, so fully impregnated asbestos cloth was
8 made as one of the products that's particularly used in
9 frictioning where two pieces of cloth are pressed
10 together in making a gasket. Then the other method was
11 frictioning and skimming by colander, and a large
12 percentage of the cloth was used that way making
13 slabs. These slabs were used in cutting packing rings
14 for gaskets. They were a punch press type of
15 operation. More cloth was used in either impregnating
16 or in frictioning than used as dry cloth. Dry cloth
17 was used in their clothing manufacturing for safety
18 clothing. They had a plant at Johns-Manville for
19 making gloves and coats and pants for firefighting,
20 working in steel mills, where you were exposed to high
21 temperatures, that they made them.

22

23 (Whereupon a brief recess was taken.)

1 BY MR. GLASSER: (Continued)

2 Q Do you recall the names of any of the people you met at
3 the Raybestos facility?

4 A No. I might remember it if the names were recited to
5 me, but no, I wasn't particularly familiar with those
6 folks.

7 Q Do you remember any names from Keasbey & Mattison?

8 A That was 30 years ago. I'm poor on remembering names.

9 Q I'm going to shift over now, Mr. Houghton, to Garlock,
10 and I want to ask you first how did you come to be
11 employed by Garlock?

12 A In 1938, the superintendent of the textile plant was
13 Harry Elder and he died. I'm not sure why he died but
14 he died in '38, and they were in need of someone to
15 operate the department. They had at least a couple of
16 years of doing the best they could with what they had
17 or Owen Burns was one of the workers and he was in
18 charge when I came here, but Mr. Hubbard, Cecil Hubbard
19 was factory manager. He was later promoted to vice
20 president. George Abbott was president of the company,
21 and I came up here in March of that year and they told
22 me to -- I came in February and they told me to come up
23 in March, so I've been in here, made a good impression

1 on.

2 Q That was February, 1938 or 1939?

3 A It was '39 when I was called in February to examine the
4 place and I came to work in March the 13th.

5 Q How did you know about Garlock or how did Garlock know
6 about you?

7 A There was a fairly good relationship between Garlock
8 and Johns-Manville. There was an interchange. There
9 was some people that went from here to work at
10 Johns-Manville and some folks from Johns-Manville had
11 come here, so the companies knew each other. This was
12 in '39, tail end of the depression, and when I told my
13 superintendent, Mr. Cannon, that I had accepted a
14 position here as superintendent, Phil Cannon said to me
15 if there was anyplace other than Garlock, I'd advise
16 you not to go. This was a backside way of a compliment
17 of Garlock, so he didn't stand in my way, but I had
18 already told him I had accepted because I had had a
19 couple of other opportunities to leave and they told me
20 they were glad I stayed at Johns-Manville, so I didn't
21 like -- Usually, when something like that happens, you
22 got a little increase in pay, so I didn't like to keep
23 edging myself up by the saying I got another chance,

1 but anyway, he said if there was anyplace besides
2 Garlock, that I'd advise you not to go.

3 Q You mentioned that some of the people from Manville had
4 come to Garlock and some from Garlock to Manville. Can
5 you help me with any names of people who transferred
6 from one to the other?

7 A I know that there was a Bavis whose brother works here,
8 had gone from here to John Manville. He worked in the
9 packing department, then there was another mechanic
10 that moved down there. His name was -- Oh, nuts. He
11 was a very good mechanic. When I'm not thinking, I'll
12 remember it for you.

13 Q If you remember it, you just advise us of the name but
14 we'll keep going in the meantime. Had you ever visited
15 any facility owned by Garlock while you were at
16 Manville?

17 A No, I didn't know of Garlock until I heard that there
18 was an opportunity here. I may have heard the word but
19 I didn't really know much about it, but I never saw
20 Garlock until I came here to visit.

21 Q You started with Garlock in March 1939?

22 A Yes.

23 Q And that was in Palmyra, New York, where your home is?

1 A That's right.

2 Q What type of facility did Garlock have in existence in
3 1939? What did they produce in Palmyra?

4 A Well, they have about a dozen departments. They make
5 gaskets, folded gaskets, make gasket rings, had pan-
6 holed gaskets for boilers and then they made varieties
7 of packings, rings and compressed asbestos sheet
8 gaskets. They sell sheeting, but they also punch out
9 millions of disk rings for valve stems or they make
10 felt packings for ball bearings. They make large
11 variety of molded parts in high temperature molding for
12 vulcanizing rubber. They make rubber sheets for sale.
13 They furnish cloth to others to make safety clothing.
14 They now make Teflon products.

15 Q If we can, going back to the 1939 time frame when you
16 came, were the gasket materials that you described
17 asbestos-containing gasket materials?

18 A Some.

19 Q The folded gaskets, did they contain asbestos?

20 A The folded gaskets were rubberized asbestos and then
21 folded together to make multiple-ply products, but
22 most of them were rubberized either by dipping or
23 frictioning, and then they might be made into

1 laminations.

2 Q And the compressed asbestos sheets, are they the sheets
3 from which gaskets can be cut to a specific size?

4 A Yes, most of them in single sheet from a 64 on up to
5 maybe as far as an 8, and then they laminate if they
6 want to go thicker than 8.

7 Q And the folded gaskets and the compressed asbestos
8 sheets and the packings, did those contain or do you
9 know the percentage of asbestos by weight in those
10 products, the general range?

11 A Well, most of time used the commercial blend, which is
12 the 75 percent, and then there would be a good 50 or 60
13 percent rubber on top of that, so we're down now to
14 maybe 30 percent asbestos in some of these rubberized
15 products.

16 Q And the ones that did not have the rubber, then, would
17 more or less be like the commercial grade of asbestos
18 cloth, roughly about 75 percent asbestos by weight?

19 MR. SHANNON: I object. I don't think that he said
20 there were any that didn't have the rubber
21 that were used for gaskets.

22 A Well, others dipped in maybe Teflon. Again, they're
23 coated. A small percentage might be used in a dry

1 cloth, but mostly they're coated or impregnated.

2 Q Am I correct that Garlock Packing Company had been in
3 business for a good while before you came here in 1939?

4 A Yes, I guess Garlock was probably about 1880 or
5 somewhere around there.

6 Q 1887 sound about right?

7 A That's somewhere around when it started. I think it
8 started with rubberized fabric using somebody's rubber
9 boots for strings for making packing power plant. The
10 fella was using anything he could get. Finally he used
11 this and it worked, so he moved from the power plant
12 over to making rubber slabs like rubber boots, and from
13 there he progressed into using other materials.

14 Q When you came to Palmyra, Mr. Houghton, can you tell me
15 overall about how many people were employed?

16 A Oh, I don't know, maybe less than 200. Maybe around
17 200.

18 Q Approximately how many of those 200 would have been
19 involved in manufacturing items that contained
20 asbestos?

21 A That's kind of a broad figure you're asking. I could
22 say maybe 35 to 38 making the asbestos parts, then the
23 other parts like high-pressure manufacturing and the

1 mill and colander, they all handled the products, maybe
2 another hundred there. You're now reaching out into a
3 range of products, so to give you an exact figure, I
4 couldn't do it.

5 Q The type of asbestos that was used was primarily the
6 chrysotile or the white asbestos?

7 A Mostly, yes.

8 Q And you also had some of the blue asbestos or the
9 crocidolite?

10 A A certain percentage of the crocidolite was used. We
11 didn't do any weaving or spinning of crocidolite. That
12 was purchased maybe from Germany.

13 Q Or Rhodesia?

14 A The Rhodesian would be the source but the spinning was
15 done maybe in Germany.

16 Q The crocidolite, was that used in products other than
17 gaskets or was it strictly used in gaskets?

18 A I think it was used where chemical resistance was a
19 major factor because crocidolite is better against
20 acids than the chrysotile.

21 Q Less corrosive when it comes in contact with --

22 A Yes, it would resist corrosion or resist decomposition
23 better than the crocidolite.

1 Q Than the chrysotile?

2 A Chrysoite. That would be damaged. The blue, which is
3 harsher and not as easy to handle, does resist chemical
4 action better than the --

5 Q From the engineering standpoint, what efforts did you
6 observe that Garlock was taking to control dust when
7 you first came aboard in 1939?

8 A Quite a lot of work had been done by Harry Elder in
9 improving the dust control over the carding machines.
10 He had done a major job of constructing hooding, but
11 then by the time I came here, Whiten Machine Works had
12 fabricated hoods, wooden hoods that fitted tightly
13 against the cards so the Whiten Machine Works had done
14 a lot of work in improving dust control for cards. In
15 removing dust, you take a lot of vacuum and that
16 actually removes a fairly high percentage of your
17 product, so in manufacturing, you do have to remove
18 quite a percentage. I believe 20, 25 percent has to go
19 up to the filterhouse just as a means of keeping it
20 clean.

21 Q And then you can recapture what you've got in the
22 filterhouse?

23 A The filter bags are shaken every day so that material

1 falls and is removed once a week.

2 Q And the hoods and the vacuum ventilation system that
3 you've described was basically to remove the asbestos
4 dust that was being generated in the manufacturing
5 process?

6 A That's right.

7 Q And you used the same type of engineering both for the
8 chrysotile and for the crocidolite?

9 A We did not process any crocidolite.

10 Q Through carding and through the looms.

11 A That's right. We didn't make any fabrics. We bought
12 some yarns for braiding, but those were dipped in oils
13 or greases and then braided.

14 Q What was your specific job responsibility as
15 superintendent when you started with Garlock in 1939?

16 A Run the plant, which included fiber preparation,
17 carding, spinning, twisting, weaving and upkeep of the
18 equipment, purchasing equipment to make repairs when
19 all of these machines subject to wear or breakdown,
20 lubrications, and so forth; you worked up schedules for
21 maintenance.

22 Q When you came to Garlock in 1939, was Garlock also
23 aware of the need to keep asbestos dust to a certain

1 level?

2 A I think the federal requirement was in existence at
3 that time.

4 Q Were there any laws that you were aware of from the
5 State of New York that related to the work site and
6 dust?

7 A I don't recall any New York State law.

8 Q How would you compare the knowledge concerning the
9 importance of controlling asbestos dust at Garlock to
10 what you had observed at Manville?

11 A I think we were better here than Manville. I think the
12 dust recovery system was functioning more efficiently.

13 Q Did your responsibilities as plant manager,
14 superintendent, include keeping an eye over how well
15 the dust control system was operating?

16 A I was probably the only one that was closely related to
17 these jobs. I would call the maintenance department
18 for cleaning up once a week or coming in to do any of
19 those operations to keep place working right. It was
20 my job.

21 Q Did Garlock have any respirators available for the men
22 who were working with and around the asbestos?

23 A Yes. Those people that worked for the maintenance

1 department had to wear the improved mine respirators
2 when they were cleaning up at the end of the week, when
3 they were removing dust from the filterhouses or from
4 cleaning up around the machinery. We used respirators
5 for those men that carried stock. We'd have those box
6 trucks and they would bring the material that had been
7 blended. Rayon and asbestos was loaded into these
8 trucks and brought up the elevator and then brought to
9 feed into the cards, so those men were required to wear
10 respirators during handling of stock by hand.

11 Q How much textiles were produced, asbestos textiles were
12 produced at the Palmyra plant of Garlock?

13 A Oh, I guess it was probably about two million pounds a
14 year.

15 Q And that was sold commercially?

16 A The highest percentage was used within the factory,
17 either in the dipping machines or in the high- pressure
18 manufacturing where they coated it with rubber.

19 Q So some of it --

20 A Most of it was that way. Then we shipped some rolls,
21 but the most of it was internally used.

22 Q And was any of the textiles that you have described
23 also used to make further products like the Garlock

1 gaskets?

2 A Yes. That was after the coating process. There is
3 very little requirement for asbestos just as a dry
4 cloth.

5 Q Once you had made a compressed asbestos sheet out of
6 which gaskets could be cut, you were able to print on
7 that compressed asbestos sheet, am I correct?

8 A They would put a label on it, yes.

9 Q And you could put Garlock's name on it?

10 A It might be a label, the brand, and a style number
11 might be on there, so it could be identified as a
12 Garlock product.

13 Q And that would be right on the product itself?

14 A That would be printed right on the sheet.

15 Q And Garlock made gaskets in various light and dark
16 colors?

17 MR. SHANNON: You're talking about compressed-
18 asbestos sheet gaskets?

19 Q Compressed-asbestos sheet gaskets, what colors did they
20 come in?

21 A Color?

22 Q Yes, sir.

23 A Oh, might be in a black, one a dark gray. They had

1 some in the white.

2 Q Would that be a white or a light gray?

3 A It would be off color white. Once you start using
4 white rubber on white asbestos, you come up with a
5 dirty gray, and they might have a -- I think they may
6 have used some blue and some red.

7 Q Now, the style number, does the number 7005 ring a bell
8 for you from the gasket materials?

9 A I was not majorly connected with that, so -- 700's, I
10 heard those numbers, but I didn't handle them enough to
11 be a good answer for you.

12 Q Am I correct that many of the gaskets produced by
13 Garlock, but not all, had the name of Garlock on the
14 material itself?

15 MR. SHANNON: Again, compressed-asbestos sheet
16 gaskets.

17 A Well, many of the products might have a number and then
18 be boxed in a Garlock-labeled box. I don't think the
19 word "Garlock" would show on everything that they made.

20 Q I understand, but the name was put on some of the
21 compressed-asbestos sheet gasket material?

22 A Oh, I guess the word "Garlock" showed on some of the
23 sheet, yes.

1 Q In the mid to late 40's, would it be fair to state that
2 Garlock's employees that were exposed to dust, asbestos
3 dust, were aware that asbestos could possibly be
4 harmful to them if they breathed it without necessary
5 protection?

6 A Most people do not live in a state of awareness of
7 danger hanging over their head all the time. We had
8 probably more long-term workers in textile than in most
9 of the other plants. There was no sense of fear in the
10 plant.

11 Q Was there awareness that it was important to keep the
12 ventilation and exhaust equipment working and to use
13 respirators when you were around asbestos dust?

14 A When you were working in a dusty area, yes. Generally,
15 we had a pretty clean department, so the respirators
16 were not worn by everybody. It was only where you were
17 handling dusty material like feeding a card or laying a
18 batch.

19 Q Were there any programs that you recall, Mr. Houghton,
20 at Palmyra to advise the employees of the importance of
21 keeping the ventilation and vacuum equipment working so
22 that the asbestos dust level in the air could be kept
23 low?

- 1 A That was responsibility of the supervision.
- 2 Q And what did the supervision do to make sure that the
- 3 men were aware of the importance of keeping the
- 4 asbestos content low?
- 5 A I don't think that generally people are aware of
- 6 details like that. They depend on their supervision to
- 7 keep things up to date and keep adjustments right.
- 8 Now, you make improvements, then it's up to us to see
- 9 that the improvements are kept in the proper shape, and
- 10 that was the foreman's job. The superintendent might
- 11 be checking by casual moving through the department to
- 12 see that it was being done, but you had foremen that
- 13 were also responsible.
- 14 Q How many buildings were there at Palmyra?
- 15 A Oh, the numbers were something like up to 25. Numbers
- 16 of buildings is kind of a hard thing to do because
- 17 here's a shed and it's given a number, so roughly,
- 18 there were around 25 different types of manufacturing
- 19 going on.
- 20 Q Who was Mr. Bristol?
- 21 A Bristol?
- 22 Q Yes, sir.
- 23 A He was in charge of the machine shop, and I guess he

1 had yard gang for maintenance of the whole factory
2 outside as well as the tinsmith and the electrical
3 department were all under Bristol.

4 Q In some of the buildings, for example, Building 15, are
5 you able to identify what Building 15 was or what
6 service was performed there, do you recall, in the 1942
7 time frame?

8 A 15? I was in Building 7. 15 I don't think is clear
9 enough in my mind just which it was.

10 Q In Building 8 or Building 15, in June of 1942, I have a
11 paper which I will share with you, but I want to ask a
12 clarification first. A comment was made of the need to
13 remove fumes and impurities on the second floor that
14 were created, generated or released by filling bins
15 with powdered materials. Would the powdered materials
16 being referred to be asbestos?

17 MR. SHANNON: Why don't you let him see the
18 document? It might help. Are you talking
19 about Building 8 or 15? You said both at the
20 beginning of the question.

21 MR. GLASSER: There's a reference, Jim, and I think
22 I'll need his help to clarify it, but I have
23 extra copies of the same document.

1 Q This is the document, Mr. Houghton, I'm showing to
2 you. At the same time I've got another copy here for
3 your counsel. Let me turn to the first page. I'm
4 going to move back just for a second and it is marked
5 June 5, 1942. Do you see that, 6-5-42?

6 A Yes.

7 Q And it has "Mr. Bristol" then the word "important" and
8 it has some initials. Do you know whose initials those
9 are?

10 A I can see a C there, but that number 8 doesn't tell me
11 much. Let's see, I don't know whether that might be
12 C. R. Hubbard. C. R. Hubbard was the vice president in
13 charge of the factory, the molding department.

14 Q If you will turn to the next page --

15 A It looks like Frisbee Walborth.

16 Q That looks like who?

17 A This looks like Walborth.

18 Q This might be a signature from the industrial
19 commissioner. What I was questioning, if you look at
20 the paragraph numbered 1, it talks about the need to
21 "Provide an exhaust system to remove fumes or other
22 impurities from your factory on second floor, created,
23 generated or released by filling bins with powdered

1 materials." Do you see where I read that?

2 A Yes.

3 Q Is the powdered material that they're referring to
4 there asbestos?

5 A It sounds like it may be where they were making up
6 compounds, second floor.

7 MR. GLASSER: Jim, to answer your question, it says
8 Building 8 and 15.

9 A Manufacturing didn't do much blending on the second
10 floor. That was blending in the basement.

11 Q Now, with regard to that on the basement, look with me
12 at paragraph marked number 2. Here the direction is to
13 "Construct and locate hood so that dust from grinding
14 gaskets will fall or be drawn into the hood," and then
15 it has a parenthesis, "(gasket grinding machine -
16 basement - building 8 and 15.)"

17 A They had a mill in the basement for re-- well, they
18 would chop up gasket trimmings to be reprocessed in the
19 mixing, gasket grinding. There might be some type of a
20 gasket tolerance that required a certain thickness, and
21 then they would put it through a grinder which would
22 have a surface grinding operation. That could make a
23 rubber dust and be a nuisance and it could be a fire

1 hazard if you didn't keep your machinery clean.

2 Q Do you have any recollection of a document such as
3 this being delivered to the Garlock Packing Company
4 by the Department of Labor?

5 A No. I being not connected with maintenance, I would
6 not see any report like this.

7 Q Can you identify the writing that appears on the left-
8 hand margin of page 2 of this exhibit?

9 A No.

10 Q If any direction or order were given to the plant to
11 collect certain fumes or grindings that were escaping
12 into the work environment, would those have generally
13 been brought to your attention?

14 A No.

15 Q Are you aware that in the 1942 time frame, there were
16 documents such as this received by the Garlock Packing
17 Company at its Palmyra plant?

18 A They wouldn't have come to me. I was not in the health
19 department. The problems of textiles would come to
20 me. In fact, only to me because it was -- if I needed
21 any help, I'd go to maybe Bristol for electrical or
22 other upkeep business, but for the maintenance of dust
23 control was mine, designing was mine.

1 Q Thank you, Mr. Houghton. I'm going to give this to the
2 court reporter and ask her to identify that please as
3 Houghton Number 1 so they can see what you and I were
4 sharing and talking about.

5 (WHEREUPON HOUGHTON EXHIBIT 1 - Four-
6 page document; first page reading "Mr.
7 Bristol" and "Important" - WAS MARKED FOR
8 IDENTIFICATION.)

9 Q When problems were brought to your attention that
10 needed correcting as it related to the vacuum system or
11 the need to keep asbestos dust low? What sources of
12 information did you have to turn to in addition to your
13 own past experience?

14 A We had a very good man, a John Kattenack, who was a big
15 help in designing dust recovery systems like a cyclone,
16 and he was the one I would go to, and he was very
17 helpful.

18 Q Is he available today?

19 A No, he's also in the ground.

20 Q Was there anyone at or available to you at Garlock who
21 kept up with industrial hygiene or medicine as it
22 related to the asbestos hazard?

23 A I think not.

1 Q My question is had you any time, say, in the 1930's,
2 while you were at Manville or in the early part of your
3 career at Garlock heard of Dr. Merewether over in
4 England and the studies that he had done on asbestos
5 textile plants over there?

6 A I think my answer is no.

7 Q I'm going to show you, and it's a thick document
8 consisting of 34 pages, but I'm showing it to you just
9 to see whether or not that in any way refreshes your
10 recollection. This is only a photocopy of what the
11 document was.

12 MR. SHANNON: Can we identified it for the record?

13 MR. GLASSER: For the record, I'm showing him the
14 "Report on the Effect of Asbestos Dust on the
15 Lungs and Dust Suppression in the Asbestos
16 Industry." It is a two-part paper by E.R.A.
17 Merewether and W.C. Price. It is dated 1930.

18 A One shilling, three pence. No, I did not know of him,
19 and the report that this covers did not come to me.

20 Q I thank you, and I will show you another report and ask
21 you whether you have seen or heard of this.

22 MR. GLASSER: For the record, this is an United
23 States Treasury Department Public Health