

CAUSE NO. A-167,693

JAMES COWEY AND RUTH) IN THE DISTRICT COURT
COWEY)

PLAINTIFFS,)

VS.) JEFFERSON COUNTY, TEXAS

RADIATOR SPECIALTY)

COMPANY, ET AL)

DEFENDANTS.)

) 58TH JUDICIAL DISTRICT

ORAL DEPOSITION OF

GERHARD K. RAABE, Dr.P.H., F.A.C.E.

DECEMBER 2, 2003

ORAL DEPOSITION OF GERHARD K. RAABE, Dr.P.H.,
F.A.C.E., produced as a witness at the instance of the
PLAINTIFFS, and duly sworn, was taken in the
above-styled and numbered cause on the 2nd of December,
2003, from 8:57 a.m. to 2:19 p.m., before Kathy Miller,
CSR in and for the State of Texas, reported by machine
shorthand, at the law offices of Fulbright & Jaworski,
1301 McKinney, Suite 4400, Houston, Texas, pursuant to
the Texas Rules of Civil Procedure and the provisions
stated on the record or attached hereto.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

MR. LANCE LUBEL

MR. DENMAN H. HEARD

MR. J. ROBERT BLACK

HEARD, ROBINS, CLOUD, LUBEL & GREENWOOD

910 TRAVIS STREET, SUITE 2020

HOUSTON, TEXAS 77002

FOR THE DEFENDANTS UNITED STATES STEEL CORPORATION,
ARISTECH CHEMICAL CORPORATION AND USX CORPORATION:

MR. JEFFREY W. KEMP

FULBRIGHT & JAWORSKI

2200 ROSS AVENUE, SUITE 2800

DALLAS, TEXAS 75201

FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:

MR. JAMES M. RILEY

COATS ROSE

1001 FANNIN, SUITE 800

HOUSTON, TEXAS 77002-6707

INDEX

	PAGE
Appearances.....	2
GERHARD K. RAABE, Dr.P.H., F.A.C.E.	
Examination by Mr. Lubel.....	4
Signature and Changes.....	174
Reporter's Certificate.....	176

EXHIBITS

NO.	DESCRIPTION	PAGE
1	Article titled "A Critique of the Exposure Assessment in the Epidemiologic Study of Benzene Exposed Workers in China Conducted by the Chinese Academy of Preventive Medicine and the US National Cancer Institute".....	36
2	"References for Dr. Raabe's Affidavit in Cowey" with attached articles.....	36
3	Photocopy of documents in Dr. Raabe's Redweld containing deposition transcripts, correspondence, etc.....	114
4	Article titled "The Myelodysplastic Syndrome(s): A Perspective and Review Highlighting Current Controversies".....	119
5	John W. Spencer's Summary Report 23 October 2003.....	121
6	Photocopy of Dr. Raabe's file containing billing information.....	161

1 GERHARD K. RAABE, Dr.P.H., F.A.C.E.,
2 having been first duly sworn, testified as follows:

3 EXAMINATION

4 BY MR. LUBEL:

5 Q. Please state your full name.

6 A. Gerhard Karl Raabe.

7 Q. Where do you live?

8 A. 2215 Aquetong Road, New Hope, Pennsylvania,
9 18938.

10 Q. What is your profession?

11 A. I am an epidemiologist.

12 Q. Are you a medical doctor?

13 A. No, I'm not.

14 Q. Are you a toxicologist?

15 A. I have training in toxicology, but I don't
16 hold myself out as an expert.

17 Q. All right. Are you an industrial hygienist?

18 A. Once again, I have training in industrial
19 hygiene but don't hold myself out as an expert.

20 Q. Have you -- have you been retained in this
21 case to offer opinions on either toxicology or
22 industrial hygiene?

23 A. No.

24 Q. I take it that you understand I have had the
25 chance recently to review the report that you issued, or

1 the affidavit, in this case, correct?

2 A. Yes.

3 Q. And as I appreciate it, what you have been
4 asked to do in the Cowey case is to serve as an
5 epidemiologist and to discuss causation with the jury;
6 is that correct?

7 A. Yes.

8 Q. Do you agree with the diagnosis of Mr. Cowey
9 of myelodysplastic syndrome?

10 A. I have no objective reason not to disagree.

11 Q. Okay. But as we sit here today, for the
12 purposes of the opinions that you offer in this case,
13 between now and trial, can we assume that you're going
14 to take the position that, in fact, Mr. Cowey does have
15 myelodysplastic syndrome?

16 A. Well, specifically, he has refractory
17 cytopenia with multilineage dysplasia, which is a --
18 which is a myelodysplastic syndrome. One of many.

19 MR. HEARD: Lance, you need to clarify
20 the question. He accidentally said he has no reason not
21 to disagree.

22 MR. LUBEL: Okay.

23 THE WITNESS: I accept the diagnosis
24 that -- that has been presented.

25 Q. (BY MR. LUBEL) All right. By his treating

1 physician?

2 A. Correct.

3 Q. So, today, when we talk about Mr. Cowey and
4 myelodysplastic syndrome, is it -- is it okay that we
5 refer to that as MDS?

6 A. I will at times qualify my answer based on
7 specific forms of MDS.

8 Q. But -- but you do appreciate that he does have
9 a form of MDS?

10 A. Yes.

11 Q. All right.

12 A. No question.

13 Q. What caused Mr. Cowey's MDS?

14 A. As far as I can view it at this stage, based
15 on what I have seen, it's idiopathic. We don't know
16 what caused it.

17 Q. What are the accepted causes of MDS?

18 A. Well, depending on specific forms, but
19 generally speaking, would -- would be high, continuous
20 exposure to benzene, elec -- ionizing radiation, and a
21 variety of cytotoxic drugs used in the treatment of
22 various cancers.

23 Q. Are there any other causes, or at least
24 recognized causes, of MDS other than the exposures to
25 benzene, ionizing radiation, or drugs in the treatment

1 of cancer?

2 A. Well, this -- I'd say those are probably
3 the -- the best established causes. Association with
4 farming, pesticides, nonionizing radiation, all appear
5 in literature with -- with varying degrees. MDS has
6 only recently been categorized in a way that you'll
7 start to see more studies coming forward. So, there's
8 not a lot out there.

9 Q. Is it your position as an expert in this case
10 that farming causes MDS?

11 A. No.

12 Q. Is it your position as an expert in this case
13 that pesticide exposure causes MDS?

14 A. The data isn't sufficient to establish
15 causation at this time.

16 Q. So, what you're saying is that one day in the
17 future, pesticide exposures may in fact cause MDS or be
18 recognized to cause MDS?

19 A. Well, there are not enough systematic studies
20 on MDS, particularly subtypes of MDS, out there; so, you
21 don't have a body of literature for which you can say
22 this is showing up consistently.

23 Case series of MDS that have been used in some
24 of these studies are not all defined in terms of their
25 subtypes or their genotoxicity; and as a result, you're

1 going to get varying results depending on the mix of
2 cases in the series that are on the study. So, more
3 refined study is going to be needed before we're going
4 to be able to pin down -- pin down to the causes --
5 potential environmental causes, as there are.

6 Q. But as you sit here today, you agree with
7 Dr. Irons and Dr. Natelson that benzene exposures can
8 cause MDS; is that true?

9 A. Certain forms, yes.

10 Q. What forms?

11 A. Well, I'm not sure if we understand that
12 completely; but it's -- certainly -- the signature
13 chromosomal aberrations of 5 and 7 are demonstrably
14 there in -- in pretty much all forms of what we would
15 consider secondary MDS, those -- in other words, MDS
16 caused by some external factor.

17 Q. Can you tell us as you sit here what forms of
18 MDS are caused by benzene exposures?

19 A. I don't think we know that very well.
20 Certainly, if there are blasts -- blasts -- blasts
21 present in the early stages, it's much more likely to
22 progress to AML, which would suggest a closer
23 association. And as I indicated, chromosomal
24 aberrations in 5 and 7 -- in other sites as well but 5
25 and 7 most reproducibly.

1 Q. Can you write down for us on a piece of paper
2 the forms of MDS that are not caused by benzene
3 exposure?

4 A. No, I cannot.

5 Q. Can you write down for us on a piece of paper
6 the forms of MDS that are caused by benzene exposure?

7 A. Well, I don't think we know enough about the
8 various subtypes of MDS, other than what I described to
9 you earlier, that provide a clear guidepost; so, the
10 answer is no.

11 Q. And as you sit here today, you can't tell us
12 what caused Mr. Cowey's MDS, correct?

13 A. Based on the data available, I don't see any
14 known cause.

15 Q. As you sit here today, can you tell us the
16 most likely causes of Mr. Cowey's MDS?

17 A. No.

18 Q. Do you understand the distinction I am
19 drawing? I understand what you're saying is you can't
20 tell us to a certainty what caused it. And I am trying
21 to lower that threshold and say: Can you tell us, more
22 likely than not, what you believe caused his MDS?

23 A. Well, I -- no, I can't, because it's -- the
24 chromosomal aberrations are not suggestive of the
25 secondary forms that are -- that are induced by the

1 known causes we discussed. The vast majority of MDS's
2 are idiopathic.

3 Q. That's true with all cancer, isn't it?

4 A. Some more than others. I mean, some we have,
5 you know, a lot -- lot more information on -- on
6 causation.

7 Q. But there is a number of cancers for which we
8 know of certain causes of it; but, by and large, most of
9 what we know about it is idiopathic?

10 A. I think you would have to be very specific in
11 which forms of cancer we're talking about.

12 Q. Well, like lung --

13 A. You don't --

14 Q. Talking about lung cancer.

15 A. You, you know, very rarely see a lung cancer
16 in a nonsmoker or someone without enormous -- well, a
17 nonsmoker for sure. Radionuclide exposures. I mean,
18 it -- you don't see lung cancers frequently without some
19 suggestion of an environmental cause out there
20 somewhere. And by environmental, I mean life-style
21 factor as well as occupational or ambient environmental.

22 Q. Can smoking cause lung cancer?

23 A. Yes.

24 Q. What type of cigarettes can cause lung cancer?

25 A. Pretty much any type of inhaled tobacco smoke

1 can cause lung cancer.

2 Q. What -- what is it about tobacco that causes
3 lung cancer? What is in the tobacco?

4 A. Well, a number of materials that act as
5 initiators, such as benzopyrene; polonium-210, which is
6 an alpha emitter. There's a whole bunch of variety of
7 tars. I mean, it's a -- you know, it's a list of, I
8 don't know, 500,000 different materials, a significant
9 number of which have been known to be initiators and a
10 significant number of which have been known to be
11 promoters.

12 Q. Now, does every cigarette have the same
13 components?

14 A. No.

15 Q. And does it -- there's different makers of
16 cigarettes. Different companies make them. You
17 understand that?

18 A. I understand that.

19 Q. And my question is: Do each of those
20 companies have the same components in their cigarettes?

21 A. I have no way of knowing. I mean, the answer
22 is, they share a significant overlap on common
23 components. Some cigarettes have additive materials, as
24 well, that I am not really that familiar with.

25 Q. Do you believe that there is sufficient

1 evidence that all forms of cigarettes, made by whichever
2 company, cause lung cancer?

3 A. Well, it's not as simple as that. I mean,
4 there -- there is a significant element of dose in
5 the -- in the mixture. I mean, you would theoretically,
6 at least, if not practically, have to smoke way -- many
7 more low tar cigarettes than -- than the older,
8 unfiltered, high tar cigarettes. So, there is an
9 element of dose in all of this. But intrinsically, the
10 -- the intrinsic hazard components are probably there in
11 all cigarette smoke.

12 Q. When you look at studies that -- that stand
13 for the proposition that smoking can cause lung cancer,
14 do the studies tell us which cigarette company's
15 cigarettes they're studying?

16 A. I haven't looked at all the studies. Probably
17 not. Most of the -- most of the time you see pack
18 years, and that's it.

19 I don't know what you're looking for.

20 Q. Well, what I am trying to figure out is how
21 scientists such as yourself make the leap that cigarette
22 smoking for all brands, for all manufacturing brands,
23 can cause lung cancer if the scientists don't know the
24 differences between the brands, what -- what the
25 components of the cigarettes are.

1 A. But cigarette smoke -- I mean, I -- I have not
2 looked at this literature in a very long time; but
3 cigarette smoke from a variety of different sources,
4 filtered, unfiltered, different tobacco sources, which
5 is generally known, all share intrin -- intrinsic
6 carcinogenic materials of the same -- in the same class.
7 Some more than others. Some less than others.

8 So -- and then, of course, when we're looking
9 at long latency cancers like cigarette smoking, what
10 you're talking about has not been as big of an issue as
11 it might be going forward because the differences
12 between the cigarettes was not that great in terms of
13 trying to market ultra low and ultra lights. I mean,
14 those are relatively recent events.

15 So, basically -- I mean, this -- what you're
16 saying is not in -- what I think you're getting at, so I
17 might as well just go into it for you, is when you have
18 mixtures where you don't have a clear understanding of
19 all the components, in an epidemiologic study sometimes
20 you will get results that are unexpected, in the sense
21 that you might -- and that does happen from time to
22 time. You will see cigarette studies that don't show a
23 clear dose response except for maybe at the very highest
24 smoking edge. That could be for a number of reasons,
25 but one of the reasons could be that the mix of pack --

1 individuals that make up that cohort and the pack years
2 that are being calculated for each individual vary
3 because some people smoked a particular brand of
4 cigarette that may have been lower in tar than another
5 brand.

6 So, studies -- that's why when -- when you
7 study something called "organic solvents," you really
8 can't establish any form of meaningful causation because
9 all organic means, it's got a carbon atom in it. So,
10 it's not very useful for specific causation. It's
11 useful in establishing hypotheses for more refined
12 studies.

13 Q. But is it a -- only a hypothesis that
14 cigarette smoking causes lung cancer, or is it past
15 that?

16 A. No, because -- way past that. I mean, we --
17 we -- there have been so many different studies done,
18 all types of different ways, in different populations.
19 So, you've got -- going back to what we use to -- to
20 assess causation, Bradford Hill criteria: We have
21 strong associations, statistical associations. We have
22 many studies that show dose response, even though they
23 were done in different fashions and different cohorts
24 and different designs. We have consistency. We have
25 pretty good specificity. I mean, cigarette smoking does

1 contribute to several other cancer sites, but it is very
2 prominent in smoke -- in lung cancer.

3 So, you have a body of literature,
4 well-established, demonstrating consistent
5 relationships; and that's how you eventually come to a
6 causation. And if you read the preamble to either the
7 '64 Surgeon General report or the later one, which at
8 the moment I'm blocking on the date, probably in the
9 '80s somewhere, both of them sort of walk you through
10 the literature in a sense of, "Here are all the -- array
11 of all the studies here. There are statistical
12 findings. Here are the dose-response relationships.
13 Here is the level of consistency. Here is the level of
14 specificity. Temporally, they all have, you know,
15 latencies varying depending on dose but -- but
16 reasonably consistent latency periods."

17 So, that's how you establish causation.

18 Q. You have to get to those criteria?

19 A. Yeah.

20 Q. And once you've met the Bradford Hill criteria
21 in more than one competent, well-done study, then do you
22 have causation?

23 A. Well, we're talking general causation, right?

24 Q. Right.

25 A. We -- yes, you would -- and when you say more

1 than one, that doesn't necessarily imply two; but some
2 body of literature -- and, remember, that you also
3 have -- and the Bradford Hill tends to be glossed over
4 when we're talking about epidemiology, but consistent
5 understanding of underlying biologic mechanism,
6 concordance with studies done in animals. So, you have
7 a body of literature where you have at least some notion
8 of mode of action as well as the statistical and
9 epidemiologic evidence to work from.

10 So, when you have gone through all -- all that
11 and -- and the answer is still yes, this all hangs
12 together, it makes sense, you have established general
13 causation that this can, indeed, cause cancer in humans;
14 but you're still on -- when you get to an individual,
15 now you still have to talk about issues of dose and
16 exposure.

17 Q. But the Bradford Hill criteria -- and I guess
18 that's the point I am driving at -- they address general
19 causation, not specific causation, correct?

20 A. Well, they were designed to assess a body of
21 literature, yes.

22 Q. And a body of literature, by its very nature,
23 includes more than one person?

24 A. Oh, yeah.

25 Q. It includes groups of people?

1 A. Sure.

2 Q. And that's your focus, is groups of people, as
3 an epidemiologist?

4 A. Yeah. But, I mean, I'm also trained in risk
5 assessment. I mean, my firm does risk assessments, as
6 well; so, the body of epidem -- if you spoke in purely
7 epidemiologic criteria, they're generally in groups; so,
8 when you're looking at a specific causation, the issue
9 becomes how well does this person line up against what
10 you've learned from -- from -- from group -- from groups
11 of similar people -- people with similar
12 characteristics.

13 Q. But would you agree, Dr. Raabe, that the
14 Bradford Hill criteria were designed to look at groups
15 of people to determine whether an agent or substance was
16 capable of causing a given outcome or disease?

17 A. Yes.

18 Q. And I take it that since you, like Dr. Irons
19 and Dr. Natelson, do, in fact, believe that benzene
20 exposures -- at least certain benzene exposures can
21 cause MDS, that you-all do believe that, in fact, they
22 have met the Bradford Hill criteria. Would that be an
23 accurate statement, at least from your perspective?

24 A. Well, now you're talking generally MDS --

25 Q. Correct.

1 A. -- okay, not specific forms or specific --

2 Q. That's right.

3 A. -- chromosomal aberration groups?

4 Yes. Yeah. It's weak, though.

5 Well, actually, let me -- let me step back
6 from that.

7 The -- we don't have -- I mean, we're really
8 looking at causation, benzene and MDS, from an
9 extrapolation of what we know about AML. There are no
10 studies out there that demonstrate a dose response from
11 benzene to MDS in any particular form.

12 Q. What about the Hayes and Yin 2000 study?

13 A. I discount trying to use the -- the Chinese
14 studies in their -- in their current form because there
15 are so many confounding issues on the way they -- the
16 way they did the case identification. It's been heavily
17 criticized. The cohort itself is really enormously
18 heterogeneous in terms of industrial makeup. There is
19 very little information on confounding. A lot of the
20 leukemia cases in the earlier studies, in later studies
21 become MDS cases.

22 I, and a bunch of others, including the U.S.
23 E.P.A., both in -- in their large risk assessment update
24 and in their most recent, I guess, 2000-2001 update in
25 IRIS, say you can't use that study for dose response.

1 So, while it's sitting there, I don't -- and
2 there is no question in -- because of the enormously
3 high exposures to benzene in China that some of those
4 MDS cases are related to benzene. I am not trying to
5 discount what have already been found, but you can't use
6 it for dose response. And that being the case, you
7 know, I go back to my original statement. You don't --
8 you don't have dose response information for MDS.

9 So, in -- in the traditional sense -- I mean,
10 I think -- when we say we believe that benzene can cause
11 MDS, or at least certain -- under certain conditions,
12 we're talking about more what we've learned from very
13 large case series and what we know from -- from AML.
14 So, in the purest sense, it hasn't met the Bradford Hill
15 criteria.

16 Q. Why is it acceptable for scientists such as
17 yourself to rely on the information that you have on AML
18 to make the leap, if you will, that, in fact, benzene
19 can cause MDS?

20 A. Well, there have been a number of -- let's put
21 it this way -- enough case series -- you know, normally
22 case series would not be acceptable to us as
23 epidemiologists, but we -- we do have a large body of
24 literature on AML, and we do have enough knowledge from
25 case series that generally, for -- across all forms of

1 MDS, about 30 percent might progress to AML; and
2 specifically, the -- the form that Mr. Cowey has, some
3 percentage lower, 15, 16, 17 -- pick a number -- may
4 progress to AML.

5 So, with -- with knowledge of the form and
6 knowledge of the chromosomal aberrations that are also
7 seen in AML, and the underlying biology, I think, you
8 know, we're in a comfort zone where we don't have to
9 have every piece of Bradford Hill checked off purely for
10 MDS because, clearly, some MDS is on the road to AML.
11 And if you go back in time, you know -- we all -- it's
12 also consistent with what we know about AML in general,
13 in that -- benzene-related AML in that in most cases for
14 there to be increased risk for AML, you need a high
15 enough exposure to induce some observable toxicity. We
16 don't always observe it because you're not looking, but
17 some type of bone marrow toxicity that -- that is a
18 precondition.

19 So, I mean, if you just sort of make --
20 stretch the timelines out, some people, maybe, go
21 right -- right through early bone marrow toxicity into
22 AML; some go into an MDS phase, or preleukemic phase.
23 You know, pick your historical jargon. I mean, that's
24 been the problem with MDS, it just has not been
25 well-defined as a disease up until, you know, into the

1 middle, late '90s, really.

2 So, you don't have a rich body of it by
3 itself; but I think I am giving you a rationalization of
4 why we're comfortable in -- on moving into the AML
5 literature as the -- as the baseline.

6 Q. Do you remember the conclusion in the Hayes
7 and Yin article of 2000 where they state that the -- the
8 relative risk for people exposed at levels less than 10
9 parts per million was 3.1?

10 A. I remember that's -- that's one of -- one of
11 the statements in the report, yes; but I -- as I
12 mentioned earlier, I -- I and many others, including our
13 U.S. Government, believe you can't rely on their dose --
14 on their dose-response information.

15 Q. Now, do you have with you today documentation
16 that sets forth the criticisms of that article?

17 A. I didn't bring that because I actually keep
18 that in a separate file; but if you have everything that
19 was attached to my affidavit there, you will have -- you
20 will have a paper by Travis, you'll have a paper -- I
21 mean, I didn't cite all of that literature; but I cited
22 some of it. You have got a paper by Wu. You have got a
23 paper by Wong.

24 Q. Can we -- can I interrupt you real quick?

25 A. Sure.

1 Q. You -- your attorney handed me a stack of
2 articles.

3 A. Yeah, I can --

4 Q. Can I just -- will you just pull out the ones
5 that say that?

6 A. Sure. Certainly.

7 I did not cite in this report, and therefore
8 wouldn't -- I didn't bring it with me, the E.P.A.
9 documents; but here are three -- three references that
10 deal with at least some of that criticism.

11 Q. What -- what is the E.P.A. document you're
12 talking about? What's the title of them?

13 A. Okay. The title of them are -- actually, I
14 might have the IRIS with me. We were talking about that
15 with something else yesterday.

16 Q. Take your time.

17 A. Well, actually, if you go to the IRIS -- the
18 cancer information retrieval system, it's the U.S.
19 E.P.A. -- well, just look up IRIS, E.P.A. and IRIS,
20 I-R-I-S. You will get their calculation -- you'll be
21 sent to a web site that has their environmental risk
22 assessments for ambient exposures out in the community
23 for benzene. That -- the documentation of -- of -- in
24 their -- the documentation of that number speaks to
25 criticism about the Chinese studies.

1 The other document, I believe, is 1997; and it
2 is the -- it's a rather thick document. I am sure it's
3 on the web site for E.P.A. -- the Carcinogenic Risk
4 Assessment Guideline document. It looks at multiple
5 myeloma, leukemia, non-Hodgkin's lymphoma, and all the
6 studies on benzene, and concludes, essentially, that
7 leukemia is the site that we've got to look at, and
8 makes conscious mention about all the Chinese studies
9 and why they're currently unsuitable for -- for
10 quantitative risk assessment.

11 Q. But I take it, since this 1997 E.P.A. document
12 is dated before the -- the Hayes and Yin 2000 article,
13 that it couldn't be --

14 A. No.

15 Q. -- be referring to that article in particular?

16 A. Well, that article was rereviewed in the 2001
17 IRIS document.

18 Q. So, specifically, when you talk about the -- a
19 government agency, you're saying I need to go to the
20 IRIS document?

21 A. Well, you're trying to pick out one specific
22 study.

23 Q. Correct.

24 A. I mean, there's a whole long list of Chinese
25 studies, starting with Yin in the late '80s, moving into

1 Hayes or Dosemeci. I mean, there is quite a long list
2 of -- their -- coming out of that cohort. And the most
3 recent -- if you're looking for something that
4 criticizes them, the most recent one is after the -- the
5 most recent Hayes paper, and that's in the IRIS.

6 But the -- the fundamental problem has been
7 that there is not -- despite all these criticisms, and
8 at sometime some attempt for rebuttal from some of the
9 investigators, the underlying issues have never really
10 been addressed. That's why there's a
11 multimillion-dollar consortium in Shanghai now doing
12 studies of benzene -- MDS, aplastic anemia, leukemia,
13 and non-Hodgkin's lymphoma.

14 Q. I didn't -- I need to talk to you about that.

15 Tell me about this -- the Shanghai consortium.

16 A. There's a consortium of researchers associated
17 with the Fudan University, the National Cancer Institute
18 of China, the Regional -- I might not have all these
19 terms -- names correct -- the Regional Cancer Registry
20 for Shanghai, which is a huge population of some 23, 24
21 million; and they have set up a large laboratory, and
22 they're identifying very early cases of
23 myeloproliferative diseases, including MDS. They have
24 got an extensive biology program and an extensive
25 industrial hygiene and epidemiology program appended to

1 that that will follow a bunch of cases for the next five
2 to ten years; and I think its current price tag is
3 somewhere in the 25, 27 million, not counting the
4 Chinese contributions.

5 Q. The current --

6 A. Budget.

7 Q. -- consortium?

8 A. Uh-huh.

9 Q. And you think that consortium was, in part,
10 put together to look at low-level benzene exposure?

11 A. I -- that consortium was, in part, put
12 together for two reasons: China is one of the few
13 places where you will have a range of benzene exposures
14 going from moderate levels, probably in the 10 ppm
15 range, all the way up to still 50 and 100 ppm daily
16 time-weighted averages, unfortunately. So, it's one of
17 the last places you can really study benzene
18 systematically. It's a place that has a -- a very
19 rigorous catchment process, but there is -- in other
20 words, they can identify cases over a large population
21 very effectively in a relatively short time; so, you can
22 get good samples, good -- you know, good, fresh bone
23 marrow, all the things that the biologists want to look
24 at.

25 The workplaces are still in existence, so you

1 can get direct measurements. You'll be able to study
2 the course of these diseases much better than has ever
3 been done; and since the -- since the study is
4 essentially prospective in -- the exposure assessments
5 are going to be much more reliable than they had been in
6 the original Chinese cohort.

7 Q. Are you involved in that study at all?

8 A. I helped try and get funding for it while I
9 was still working for Mobil Oil when I was still -- when
10 I was chairing a large A.P.I. committee. I'm not
11 involved with it currently.

12 Q. And were you successful in getting funding?

13 A. Yeah, but -- although the price tag has grown
14 radically from --

15 Q. Who did you get funding from?

16 A. A number of major U.S. petrochemical
17 companies. I believe there's some government funding in
18 the mix. I mean, it's a --

19 Q. I am talking about you in particular. Who did
20 you go to and get funding for that study?

21 A. Oh, at the time I was chairman of the Health
22 and Products Stewardship Committee of the American
23 Petroleum Institute; so, I was the senior committee
24 chair on the health side, so I gave several
25 presentations to what would be either vice presidents or

1 directors of health, environment and safety for member
2 companies.

3 Q. To oil companies?

4 A. Yeah, that was my audience. There were other
5 folks who got -- trying to get money from other groups.

6 Q. And did you do a PowerPoint presentation, or
7 how did you present it?

8 A. Yeah, it was a PowerPoint presentation.

9 Q. Do you still have it?

10 A. Yeah, I think so.

11 Q. Do you have any problem giving us a copy of
12 that?

13 MR. KEMP: I prefer you direct your
14 request to me, Lance; and I'll get back to you on that.

15 MR. LUBEL: I'm just asking him if he has
16 a problem. I understand that you can make objections.

17 Q. (BY MR. LUBEL) I'm just asking you if you
18 have a problem letting us see it.

19 A. As far as I understand, I'm under no
20 confidentiality restriction on the presentation.

21 Q. And as I understand -- and correct me if I am
22 wrong -- you were making presentations to members of the
23 American Petroleum Institute, such as major oil
24 companies, concerning why you -- the group needed to
25 fund -- or help fund the studies over in China?

1 A. Right.

2 Q. And this is work that Dr. Irons is currently
3 working on, I take it?

4 A. Yes, he manages the -- the diagnostic
5 laboratory for them, and obviously is an active
6 researcher in molecular biology of myeloproliferative
7 diseases.

8 Q. That's the hardest words to say in this area.

9 What was Dr. Irons' involvement, if any, in
10 the fundraising?

11 A. Well, it was a very long process. I don't
12 think he had any direct -- well, he was -- he was
13 asked -- there was advisory committees put together, and
14 I don't remember who all was on them, but he was
15 certainly one of -- one of the people asked to come up
16 with research proposals. At that time they were
17 skeleton proposals of the types of research questions
18 that could be answered by such -- such an exercise.

19 And I don't know -- you would have to ask
20 Dr. Irons whether, you know, he was filing grant
21 applications simultaneously with that. I don't know.
22 But -- but he wasn't part of the fundraising group. He
23 was -- he was more one of the potential investigators.

24 Q. Would you take information from Dr. Irons and
25 put it into your presentation?

1 A. No, I don't think I did that.

2 Q. Were there --

3 A. I mean, maybe in a general sense, the type --
4 you know...

5 Q. And what was your primary pitch, if you will,
6 or presentation, as to why the major oil companies
7 should participate in the studies in a funding sense?

8 A. Well, we -- I don't know what my major pitch
9 was -- I mean, we're talking -- we're going back to '97,
10 '98, and '99; so, I -- I don't even remember what's all
11 in the PowerPoint presentation.

12 I mean, clearly, we were concerned that the
13 investigations all based on the original Yin cohort
14 would forever be tainted. In other words, there was --
15 because they lost most of the cohort. I mean, there's a
16 very complex history on that -- on that cohort. The
17 National Cancer Institute, Hayes, and the rest of them
18 really don't know who the people are in that cohort
19 because they were assembled in a very ad hoc way. Some
20 of the materials they have well laid out, and some of
21 the materials they have very -- very poor documentation
22 for.

23 So, recognizing that over time we needed -- we
24 needed a -- more study specifically focused on
25 non-Hodgkin's lymphoma, which was one of my concerns,

1 personal concerns, I wanted to see more data, because I
2 didn't feel comfortable that what was coming out of the
3 China studies made sense in the rest of the body of
4 literature. Folks like you and others seem to still
5 periodically think multiple myeloma is related to
6 benzene, despite all the evidence; and that the only
7 thing we really had that's really good for dose-response
8 analysis is the Pliofilm cohort, and even there we have
9 three different, if you will, proposals -- published
10 versions of what their exposures were. So, even there
11 you get a range of dose response. They're all quite
12 high.

13 So, there seemed, to me, to be value in -- in
14 doing additional epidemiology and molecular biology
15 using more current techniques than we had available in
16 the '60s and '70s and '80s to help better specify
17 dose-response issues, general causation issues. So, I
18 mean, I think that's -- that was sort of my motivation.

19 Q. But I don't understand what the benefit to the
20 oil companies would be. How did you convince them to
21 help fund the studies?

22 A. Well, you may have noticed, but indirectly
23 there are subparties in -- in litigation. There are
24 parties in regulation. Every time somebody regulates
25 benzene, it has an impact on gasoline production. It

1 has an impact on -- on a whole range of their
2 activities. So, it's in their interest to get it right.

3 In other words, if you -- you know, if -- you
4 know, in a very hypothetical sense, you know, does it
5 make sense to keep benzene -- benzene levels in gasoline
6 at 1 percent, which is where they are now in this
7 country? They're not that everywhere around the world.
8 It costs money to control the benzene content to those
9 levels. You know, is that money well spent? Are there
10 better things to do with the -- with the resources?

11 So, I mean, you know, there is a whole bunch
12 of business reasons that getting a good scientific
13 answer as to what -- what are the risks at low levels,
14 what are the specific -- you know -- I mean, while we're
15 convinced that AML is -- is the primary or only real
16 hazard associated with high levels of benzene exposure,
17 other than aplastic anemia, of course, that doesn't mean
18 everybody else is. So, walking away from the science
19 doesn't make a lot of sense.

20 Q. But what was it about the Chinese studies that
21 kind of initiated the need, if you will, to go push for
22 additional studies, get funding from oil companies and
23 other parties? What conclusions, if you will, out of
24 the Chinese studies generated the -- the energy for
25 people to say, "Hey, we need to go fund some other

1 studies and find" --

2 A. Well, the one that bothered me was the
3 suggestion that benzene had -- was related to
4 non-Hodgkin's lymphoma. I mean, if there was a single
5 driving force, that had never shown a -- you know, been
6 demonstrated anywhere else. So, is there something
7 about the Chinese genetics? Is there something about --
8 remember, part of the problem in the Chinese cohort,
9 which I alluded to earlier, is the fact that, you know,
10 we're not talking urban dwellers.

11 We're talking about a significant part of that
12 cohort cooks their food with -- with brown coal in
13 closed environments. They have subsistence farming and
14 agriculture. Their pesticide exposures are dramatically
15 different than ours. Their -- none of -- their benzene
16 exposures are normally different from what -- probably
17 what we ever had in this country, unless you go before
18 1950 when benzene wasn't really presumed to be all that
19 toxic.

20 So, there are many, many factors in that --
21 that are not part of the Chinese cohort. The Chinese
22 cohort was essentially, "Let's look at benzene."
23 Dr. Yin, who I have worked with and been on committees
24 with over the years, was trying desperately to convince
25 the government that 50 ppm, which wasn't even a -- like

1 an OSHA regulation, it was the recommended guideline, so
2 you didn't even have to actually follow it that well,
3 was trying to convince the federal government that 50
4 was too high.

5 He would have been enormously comfortable with
6 10. But what ended up happening is as he was losing
7 funding, N.C.I. was looking for more international
8 partners; so, they took his original cohort, with its
9 many, many limitations, and, you know, frankly ran with
10 it. So, that's problematic to the body of science that
11 we have around the world from other places. So, we need
12 to understand it better.

13 Q. What was driving Dr. Yin, in your opinion?

14 A. Well, I think I just told you. I mean, you
15 know, he and I were on an international program panel
16 for -- international program for chemical safety panel.
17 We wrote a monograph on benzene. And, you know, we're
18 discussing in the monograph meeting, you know,
19 recognizing that it's a worldwide recommendation, so you
20 weren't, you know, trying to push the lowest technical
21 levels but what was -- what did the science say seemed
22 to be safe. So, we were sitting there debating, you
23 know, is 1 too low? Is 5 okay? Should it be 3? Should
24 it be 10?

25 But, you know, as you got down to the last

1 chapter in the monograph, and -- and poor Dr. Yin is
2 trying to say, you know -- "You know, we have got folks
3 well exposed over 50s." I mean, his motivation was, we
4 knew 50 was wrong. We -- that you shouldn't be exposing
5 people at those levels. I mean, your aplastic
6 anemias -- they have a lot of cases of aplastic anemia
7 in China.

8 Q. But, I mean, was there an external source, if
9 you will, that you felt as though was driving Dr. Yin
10 to -- to try and reach these conclusions, or did you
11 feel like he honestly and genuinely thought this is
12 where things ought to be?

13 A. Well, he was, you know, a
14 physician/epidemiologist in China that had seen lots of
15 aplastic anemia, looked at where these guys worked,
16 recognized that they were almost -- you know, that it
17 almost certainly was benzene related, initiated a very
18 large cohort with internal funding, doing the best job
19 you could in the period for him, which was way below our
20 standards of quality control, to help demonstrate that.
21 So, I mean, you have to -- you know, his English is not
22 very good. So, you know -- but --

23 Q. What I --

24 A. -- but I think that's what his motivation --

25 Q. Was he biased, in your opinion?

1 I am not talking about from a scientific
2 standpoint.

3 A. No, I -- well, I mean, biased only in the
4 sense that going into the study he knew that they were
5 exposed too high. Okay? But I -- I -- there is nothing
6 that I -- there is nothing in his studies or demeanor
7 that strikes me that, you know, the data was manipulated
8 to show responses where there weren't any. I think it
9 was just sort of by our standards, you know, moderate,
10 inadequate quality work. He relied on the diagnoses
11 that were coming out of these little regional clinics.
12 Many of the diagnoses were wrong.

13 Q. Didn't the 2000 study that he and Dr. Hayes
14 and others did, didn't they go back and look at that
15 data again?

16 A. Well, they -- they redid a big chunk of the
17 hematology that they could. They couldn't do it all.
18 So, yeah, I mean, I am not saying improvements haven't
19 been made where they can be made; but the -- but
20 remember -- I remind you, again, they had no
21 identification on these individuals. So, they could not
22 go back and -- and really do good audits on exposure.
23 They couldn't go get additional materials. I mean, if
24 they had the materials -- they didn't -- if Yin had the
25 materials, they had it. If he didn't have the

1 materials, they didn't. And that was sort of the end of
2 it.

3 And that's why the numbers changed so
4 dramatically too, you know, which that's not -- you
5 know, if a study was done by me and -- and every time I
6 reported out, the numbers changed dramatically, I'd be
7 sitting in very long depositions when people wanted to
8 use my study in their case.

9 So, you know, there's lots of problems that I
10 don't think were, you know, intentional that are just --
11 you know, say that you need another Chinese series of
12 studies using more modern techniques to help define, you
13 know, what -- what was really going on.

14 MR. LUBEL: Do you mind if we take a
15 short break?

16 THE WITNESS: Fine with me.

17 MR. LUBEL: Is that okay with you guys?

18 MR. KEMP: Yes.

19 MR. LUBEL: Thank you.

20 (A break was taken, 9:43 to 9:49 a.m.)

21 (Exhibits 1 and 2 marked.)

22 Q. (BY MR. LUBEL) Can you identify Exhibit
23 Number 1?

24 A. Exhibit Number 1 is a paper authored by
25 Dr. Otto Wong, published in 1999, titled "A critique of

1 exposure assessment in the epidemiologic study of
2 benzene-exposed workers in China conducted by the
3 Chinese Academy of Preventive Medicine and the US
4 National Cancer Institute."

5 The second paper is also a paper by Dr. Wong,
6 titled "Benzene and Dose-related Incidence of
7 Hematopoietic [sic] Neoplasms in China."

8 And the third paper is by Weiai Wu, W-U,
9 "Occupational Cancer Epidemiology in the People's
10 Republic of China."

11 And these are just a sampling of -- from me.

12 MR. KEMP: Are these all Exhibit 1?

13 MR. LUBEL: Yes.

14 MR. KEMP: All three papers?

15 MR. LUBEL: Yeah.

16 Q. (BY MR. LUBEL) And what I asked you to do was
17 to take out, out of the articles that your lawyer handed
18 me, the ones that you felt criticized the Chinese
19 studies that we have been talking about today, correct,
20 and that's what you did?

21 A. Yeah, of the set -- I mean, I have additional
22 ones; but of the ones I brought with me, that's -- those
23 are the ones that I think relate to your question.

24 Q. And then you told us about an E.P.A. document
25 that you said we could get on IRIS, I R I S? Is that

1 correct?

2 A. Yes.

3 Q. Can you put your hands on that and fax that to
4 your attorneys?

5 A. Yes.

6 MR. LUBEL: Jeff, will you provide that
7 to me?

8 MR. KEMP: Absolutely.

9 Q. (BY MR. LUBEL) I don't know that I'm computer
10 intelligent enough to find it.

11 A. Would you like the earlier one, as well?

12 Q. Yes, sir, please.

13 And then, Exhibit Number 2 is a list of the --
14 is actually the articles that are referenced in your
15 affidavit in this case, except for the three articles
16 that you pulled out and marked as Exhibit Number 1; is
17 that correct?

18 A. Umm --

19 Q. Sir?

20 A. -- this really should be part of Exhibit 1.

21 Q. Okay. What is it? And we will attach it.

22 A. It's an article titled "Hematopoietic
23 Malignancies and Related Disorders Among Benzene-exposed
24 Workers in China" by Lois Travis; and that really -- we
25 are placing that in with Exhibit 1.

1 But the remainder are -- are the studies I
2 attached to and used specifically for my affidavit.

3 Q. One of the authors in these studies is a
4 Dr. Wong. Do you know him?

5 A. Yes, I do.

6 Q. And how do you know Dr. Wong?

7 A. We've worked together over the years and
8 co-authored papers together over the years.

9 Q. Are you generally familiar with his
10 involvement with the American Petroleum Institute and
11 Chemical Manufacturers Association?

12 A. Yes.

13 Q. What do you know about it?

14 A. Well, it was -- he was contracted to conduct
15 an epidemiologic study by the then-called Chemical
16 Manufacturers Association. Actually, I believe he was
17 working with -- started out working with Dr. Irving
18 Kaybashore in that process. That's where I first met
19 him. Mobil contributed data to those studies.

20 Over the years, he has conducted several very
21 large cohort mortality studies at the behest of the
22 American Petroleum Institute. Over the years, he and I
23 have independently worked on projects together that I
24 had an interest in, or specifically I and Mobil had an
25 interest in; and we co-authored several papers together.

1 Q. And were you aware that oil companies,
2 including Mobil, have hired Dr. Wong to testify in
3 benzene exposure cases just like Mr. Cowey's?

4 A. I don't know that -- I mean, I know he's been
5 hired to give testimony. I don't know specifics or
6 whether any of them were anything like Mr. Cowey.

7 Q. Were you aware that Dr. Wong had been hired by
8 Mobil, and other oil companies like Mobil, on benzene
9 exposure cases?

10 A. I don't understand the question.

11 Q. Were you aware that Mr. Wong had been hired as
12 an expert by Mobil in benzene exposure cases?

13 A. You mean toxic tort cases?

14 Q. Correct.

15 A. I think I am -- well, I am not aware that he
16 was specifically hired by Mobil, but I am aware that he
17 has testified for oil companies in such cases. I -- I
18 never -- I never hired him; and so, I don't -- I don't
19 know whether he was ever used -- retained specifically
20 by Mobil in any of these litigation cases. I don't know
21 that.

22 Q. Now, who did you hire when you were at Mobil
23 to do this expert witness work?

24 A. I didn't. That was -- that would have been
25 the law department. My -- my group is only scientific

1 in the medical department.

2 Q. Did you participate in helping the law
3 department find experts to work on these cases?

4 A. No, I don't believe so. I don't recall doing
5 that.

6 Q. Nobody ever asked you, as a scientist with
7 Mobil Oil Company, what experts they should hire to
8 defend these toxic tort cases?

9 A. I honestly don't recall participating in those
10 types of discussions. I mean, certainly they knew of
11 Dr. Wong and my association with Dr. Wrong; so, I am not
12 saying that we hadn't discussed Dr. Wong. But I don't
13 recall sitting down with the -- any of our environmental
14 counsel and giving them a list of -- a list of potential
15 witnesses. I -- I know I probably sat down with them in
16 the course of various settings and listed
17 epidemiologists that we had worked with that I felt
18 were, you know, professionally very good; but I don't
19 think it was in the context specific to the kind of
20 question you're asking.

21 Q. Well, what context would it have been in?

22 A. Well, if -- if we were proposing -- well,
23 environmental counsel would have probably sat in on
24 large decision points where I was going to go ahead and
25 do a study or contract a study, one or the other, and

1 either wanted -- was letting them know who we would bid
2 it to or letting them know who we were considering for
3 putting on an advisory panel, a reviewer panel; so, they
4 would have heard those discussions.

5 Q. Why would the lawyers be involved in that?

6 A. Well, I don't know if it -- there was only one
7 lawyer involved in that. He was environmental lawyer
8 for the corporation, and he sat in on all executive
9 briefings; so...

10 Q. Was he a scientist?

11 A. Yeah. I am trying to get money from
12 management; so, I have got to explain to management. I
13 mean, it wasn't a scientific meeting. By the time I --
14 you know, we -- we decided in the medical department
15 what we wanted to do. Now -- now I would go to -- to
16 the level of the organization that would free up a
17 couple hundred thousand dollars so I could go ahead and
18 do it; so, that would be general managers or vice
19 presidents, depending on how -- how many hundred
20 thousand dollars I needed.

21 Q. What I am trying to figure out is what role a
22 lawyer would play in this whole process of you trying to
23 hire people to perform studies.

24 A. I don't think -- I don't know if he played any
25 role other than part of the information -- I mean, he

1 was not part of the decision process, if that's what
2 you're getting at.

3 Q. Now, what studies have you worked at Mobil
4 that you participated in?

5 A. Oh, many.

6 Q. Well, let's talk about benzene. What studies
7 on benzene?

8 A. Benzene or benzene related?

9 Q. Benzene related.

10 A. Okay. I contracted initially in the early --
11 late '70s, early '80s for -- to reconstruct the
12 employment cohort for -- and subsequently conducted
13 mortality analysis for the Beaumont refinery, the
14 Torrance refinery, the Paulsboro refinery, which were
15 owned by Mobil at the time.

16 Q. Who asked you to do that?

17 A. I -- it was my recommendation that we do that
18 because we had a P.M.R. study from Terry Thomas that
19 suggested that there might be some excesses and that the
20 best way to understand that was not with a P.M.R. study
21 but with a cohort study.

22 Q. Who is Terry Thomas?

23 A. Terry Thomas was a -- at the time a master's
24 level epidemiologist working for the National Cancer
25 Institute that was working with partial union data. So,

1 we initiated those studies.

2 A few years later, after those studies were
3 completed, we began -- initiated the process. By that
4 time I'd built an in-house resource so we could update
5 those studies internally; so, we went through a process
6 and updated those studies.

7 As we gained more knowledge about the
8 specificity of -- in the early days, it wasn't clear
9 whether we were just -- most of the epi studies tended
10 to just look at the large category of leukemia. As
11 biologies got better understood, it became more
12 important to look at cell types; so, we further updated
13 those studies and specifically looked at cell type.

14 It was -- I determined that we didn't have
15 enough cases inside Mobil and that it would be useful to
16 try and pull results from a number of different cohorts,
17 and I'd say Otto Wong and I worked together on it and
18 published a paper on cell type specific leukemia in the
19 petroleum industry.

20 Q. What did you call the -- how do we refer to
21 the late '70s, early '80s study where you included the
22 Beaumont refinery and others? What's -- what phrase can
23 we use to refer to that?

24 A. Initial cohort mortality study.

25 Q. And who did you hire to work on that study

1 outside of Mobil?

2 A. Robert Morgan, Phil Enterline, Otto Wong. The
3 Stanford Research Institute did a lot of the data
4 collect -- assembled a lot of the data for us in
5 Beaumont.

6 Q. But you weren't just looking at the Beaumont
7 refinery, correct, you were looking at the other Mobil
8 refineries?

9 A. The three largest.

10 Q. And how did you select those, other than size?

11 A. Well, the Joliet refinery was recently built;
12 and I -- it was designed to be a cross-crafting
13 refinery, so it would not have been -- well -- so, all
14 the work population was relatively young, hired in the
15 midwest, and were all cross-crafted in the sense that
16 maintenance workers did not just single-job things. And
17 at that period we were trying to get a sense of if there
18 are any specific crafts or specific work groups that --
19 which might show an excess of disease.

20 So -- so, we went to the ones that were the
21 oldest, had -- and we had done site visits and
22 established that had record -- paper records that were
23 adequate to reconstruct into the late '40s, starting
24 with post-war years '46 and '47. Torrance, we could
25 only go back to the -- to '60. So, it was really a --

1 part feasibility, part size.

2 Q. But there were other Mobil plants at the time
3 that you decided to run these studies that had benzene
4 in them, correct?

5 A. Well, we had a very small refinery in the
6 State of Washington, and we had the refinery in Joliet;
7 and I think we still had but were in the process of -- I
8 don't remember if we commissioned it or sold it or what,
9 a refinery in Augusta -- it wasn't Georgia -- Augusta --
10 what -- I forgot what state it's in, but it's not --
11 it's not Georgia. It was another Augusta.

12 And that was it. We -- those were the
13 total -- sum and substance of refineries in the United
14 States.

15 Q. Did they have benzene at those other
16 refineries?

17 A. No. The -- the only refinery that
18 manufactured -- well, yes. I mean, obviously you have
19 benzene in a lot of refinery streams everywhere; but
20 only -- in that time period, the only refinery that had
21 a petrochemical operation specific to benzene was in
22 Beaumont. In other words, manufactured benzene was in
23 Beaumont.

24 Q. Are you familiar with the -- the stream
25 dripolene? You ever heard of dripolene?

1 A. I have heard it. I honestly don't remember
2 what it means -- what it stands for right now.

3 Q. I mean, you understand in some of these
4 refineries and chemical plants that there are products
5 that are not -- that have -- that have benzene as a
6 component of them?

7 A. Oh, certainly. Sure.

8 Q. And wouldn't those be relevant to study if you
9 have got plants that have benzene as a component of a
10 product?

11 A. See, you asked me what studies had benzene
12 relationships. We didn't study those plants because of
13 benzene.

14 I mean, we -- we're in an era where our
15 concern was what -- it was basic descriptive
16 epidemiology. This is the plant. These are the --
17 what -- what they manufacture, and these are the
18 populations. Are there any unusual patterns of disease?

19 I mean, we've had a medical department since
20 the '50s; but, you know, there -- there was nothing
21 coming out of clinical observations to suggest that
22 there was any specific problems. So, this was a more
23 traditional cohort mortality study looking for patterns
24 of disease that we would then subsequently study in more
25 detail, as warranted. So, benzene was not, you know,

1 particularly --

2 Q. Wasn't the focus?

3 A. No. It was recognized that it was at these
4 plants, but it -- it wasn't -- it wasn't a benzene
5 study.

6 Q. And Mr. Morgan, Mr. Wong, Mr. Enterline, and
7 the Stanford research group are the people that were
8 involved in the study that were not employed by Mobil?

9 A. Well, they had staffs -- additional staffs. I
10 mean Bob -- Bob Morgan actually brought Otto Wong in.
11 Bob was principal investigator at Beaumont. Phillip
12 Enterline was principal investigator in Torrance.
13 And -- and Morgan was also principal investigator in
14 Paulsboro.

15 Q. Now, did you know these men before you hired
16 them to conduct the study?

17 A. I had met Bob Morgan. He was brought in as an
18 epidemiologic consultant at some meeting or another of
19 the American Petroleum Institute. That's where I first
20 met him.

21 Q. The A.P.I.?

22 A. Uh-huh.

23 Q. Is that a yes?

24 A. Yes. I'm sorry.

25 Q. And Mr. Morgan brought Wong into these

1 studies, correct?

2 A. Yes.

3 Q. And how did Mr. Enterline get involved in
4 them?

5 A. Phil Enterline is a -- an internationally
6 known epidemiologist, as well. He was at University of
7 Pittsburgh. I knew him. He had familiarity with the
8 petroleum industry. And I don't actually remember where
9 I first met him.

10 And so -- I mean...

11 Q. Why do you say he had familiarity with the
12 petroleum industry?

13 A. Phil had done -- by that time, published a
14 number of studies already on some petroleum-related
15 exposures and had done some work on epichlorohydrin and
16 a few other chemicals. I mean, he was an occupational
17 epidemiologist of -- of high regard.

18 Q. Had he done work with the A.P.I. or the
19 Chemical Manufacturing Association in the past?

20 A. I think he may have done some work for Shell,
21 specifically; but I -- but to my knowledge, at that time
22 he had not done any work for A.P.I.

23 Q. Now, did you -- did you enter into a contract
24 with these men to perform this study?

25 A. Yeah, that's one of the reasons why the lawyer

1 sits in --

2 Q. All right.

3 A. -- because at the end of the day, I have got
4 to write a conclusion -- write a contract.

5 Q. So, you and the lawyer for Mobil wrote the
6 contract?

7 A. Well, they gave me the boilerplate version. I
8 added a section on -- the boilerplate contracts didn't
9 address publications and things like that; and so, I
10 made some modifications to them and -- and sent them in
11 to the contractor.

12 Q. And was there more than one contract for these
13 men for this study?

14 A. Separate contracts for each refinery.

15 Q. Why is that?

16 A. Well, under different profit centers, the
17 accounting is done that way.

18 Q. But was the form of the contract itself the
19 same?

20 A. I think so. Yes.

21 Q. And did -- did Mobil retain editorial rights
22 over the study?

23 A. No. We had a three-month window of review,
24 and we could offer -- offer comments. And then the --
25 the investigator, I think, had one month to issue a

1 final report; and then he could do anything he wanted
2 with it.

3 Q. Who was the investigator?

4 A. Well, Dr. Morgan for -- the ones we just
5 discussed, the principal investigators.

6 Q. Was there a confidentiality clause or
7 agreement regarding this study?

8 A. Well, there's -- there was a medical -- a
9 medical confidentiality clause. They couldn't just --
10 could not disclose identity of any of the individuals in
11 the study. I mean, medical -- it's sort of a standard
12 medical confidentiality. And they could not discuss
13 results of the study outside -- outside their
14 organization or with -- until such time as the time
15 report was issued; so --

16 Q. In other words --

17 A. -- no.

18 Q. -- what would happen if -- if Mobil didn't
19 agree with the final report?

20 A. That's happened in other settings. Nothing
21 happens. I mean, we offer our comments. They take
22 them; they don't take them. The report is the report.
23 And --

24 Q. Were the investigators free to issue a report
25 at their discretion, irrespective of Mobil comments?

1 A. Yes. Oh, absolutely.

2 Q. And that would be contained in the agreement,
3 correct?

4 A. Yes. It was very explicitly contained in the
5 agreement.

6 Q. And I take it that you're -- the comments that
7 Mobil had were put in writing?

8 A. You know, I don't remember. They may not have
9 been. We may have -- may have been just invited to come
10 in, and we sat down and sort of walked through -- walked
11 through it.

12 Q. Now, did you have anybody look at the draft
13 report done by the investigator before you met with the
14 investigators to discuss your comments?

15 A. Well, it would have been myself; I think by
16 that time I had a master's level person I had hired; and
17 the -- probably the domestic medical director and the
18 medical director probably would have read it. I don't
19 recall at the -- at the draft stage whether any counsel
20 had read it. They may have, but I don't remember. I'm
21 not sure if they would have been normally in the loop.

22 Q. Did -- did you know from the outset that the
23 results of the study would be published?

24 A. I assumed so. We weren't paying the
25 contractors extra money to write a publication version

1 of the report. They were only being paid -- compensated
2 to write the -- you know, the thicker internal -- if
3 you're familiar with epidemi -- epidemiology, there is a
4 tendency to have, in an unpublished version, more
5 tables, more information than you could ever get
6 published in a scientific journal. So, our contracts
7 only asked for the -- and those early contracts -- a --
8 a report.

9 Q. What do you call that? What's the difference
10 between the short, published report, and the -- the
11 report that's got all the tables and raw data referenced
12 in it?

13 A. I -- I don't think we have any jargon for
14 that. I will say, in later years, when Otto and I
15 worked together, we started going directly to a
16 publication version of the report because it just added
17 a lot of unnecessary time to, you know, have a -- one
18 report and then another report.

19 But I think the term was report -- well, the
20 jargon would be issue a written report versus issue a
21 written report suitable for publication.

22 Q. All right. Now, I take it that in your field
23 of epidemiology, you prefer to see the long report
24 that's got all the tables and data, as opposed to the --
25 the published report that's got less information?

1 A. Well, certainly in that era where -- where to
2 a large extent you're just trying to look for patterns;
3 so, you would have more tables in some of the
4 unpublished reports than you would see in -- in...

5 After we've updated the cohort several times,
6 there was even -- not even a reason to rerun some of
7 those tables over and over again because, you know, you
8 knew they weren't going to provide any additional
9 information; so...

10 Q. Now, did the workers at the plant sign
11 anything to be a part of the study?

12 A. In that time frame, we notified the union
13 that -- that we were doing the exercise; but since no --
14 anything coming out of the medical records, they would
15 have already signed such a release. The Mobil release
16 in that time period in the medical department said that
17 you -- the results of the -- the examinations could be
18 used for statistical reasons, statistical purposes. But
19 in those studies there wasn't any -- I think we looked
20 for Death Certificates in the medical records, but at
21 that time there was no medical data being used in those
22 records. So, the basic answer is no, they were not
23 asked.

24 Q. How did you notify the Mobil workers that they
25 were being studied at the various plants?

1 A. You said plaintiffs?

2 Q. Plants.

3 A. Oh.

4 Q. Sorry.

5 A. I -- how did we do that? I think there was --
6 there's a -- back then there was a bulletin board. I
7 mean, the -- only the active workers would have known
8 that -- that we were doing a study. The -- there
9 wasn't -- I don't think there was any separate
10 correspondence going out to retirees, and there
11 certainly would not be to terminees because we wouldn't
12 have -- we wouldn't have --

13 Q. Were you studying the retirees or the current
14 workers?

15 A. We were studying anyone who worked a year or
16 more from essentially 1946 to -- I forget the cutoff
17 date right now, '80-something -- no, '70-something --
18 '79, probably, worked a year or more at the Beaumont
19 refinery. So, that would include active workers,
20 terminated workers, and retirees.

21 Q. What motivated the study? What initiated it?

22 A. Well, we were looking -- as I said, we were
23 looking for patterns -- specifically cancer mortality,
24 looking for patterns of potential for excess cancer
25 mortality of the various cancer sites.

1 Q. Right. But, I mean, what --

2 A. You needed -- you needed the terminees and you
3 needed the retirees because you -- cancer is a long-term
4 process. So, to get a large population, you would want
5 a large -- as large a population as you could establish;
6 and you would want to have long -- you know, be able to
7 look at duration of employment, and that included
8 long-term workers.

9 Q. I guess my question is: Why in the late '70s
10 did Mobil become interested in studying cancer and
11 whether there was any pattern of cancer in the Beaumont
12 refinery?

13 A. Well, let me just -- Mobil did a -- a study --
14 the medical department did an epi study on -- on their
15 own in 1964 across the entire population of -- of Mobil.
16 Now, that tended to be just active and retirees because
17 it was -- everything was administered through one
18 insurance plan.

19 Mobil's had a medical department since 1950.
20 They've always been very interested in -- in the
21 patterns of disease in the population, making sure that
22 workers weren't harming themselves.

23 Q. Was the '64 Mobil study published?

24 A. No. That was in an era where, you know,
25 public -- publication of things wasn't on any of these

1 radar.

2 Q. Have you seen the '64 study?

3 A. Yeah, it's been surrendered in some cases over
4 the years. Actually, fairly recently only. I actually
5 did not come across that report until I was cleaning out
6 files in the Mobil-to-Exxon transition. So, I probably
7 saw it when I first was hired and forgot about it
8 because, I mean, by the -- by the standards of -- it
9 doesn't show specific groups except in very broad
10 classes; so, you know, office personnel, executive
11 personnel, distribution personnel. So, it wasn't as
12 informative as --

13 Q. And when was that surrendered in litigation,
14 to your knowledge?

15 A. Oh, probably sometime this year somewhere.

16 Q. Do you remember who that was surrendered to?
17 Was that Provost and Umphrey or Herschel Hobson or -- do
18 you know these names I'm talking about?

19 A. Yeah, I know the names. I knew Herschel when
20 he was a real -- a real professional.

21 Q. I'll tell him you said that.

22 A. You certainly can. Herschel knows me well.

23 It -- it may have been a Provost and Umphrey
24 case. It wasn't a Herschel case, I'm pretty sure; but I
25 don't remember.

1 Q. But did you have that data from the 1964 Mobil
2 study to review, as you were, you know, an
3 epidemiologist for Mobil?

4 A. Well, as I say, I'm pretty -- pretty sure --
5 when I found it, I recognized that I had seen it. Okay?
6 But -- and -- and, of course, realized that -- you know,
7 that it had some important import in today's -- today's
8 world; but when I -- rereading it over again, I -- it
9 reminded me why I probably forgot that I -- that it ever
10 existed, because it -- it doesn't inform on any of the
11 issues that -- I mean, it talks -- it -- it talks about
12 the issues of cardiovascular disease and encourages
13 Mobil to -- the medical department to continue its
14 efforts for early diagnosis and treatment of those kinds
15 of things. It talks about cancer, loosely talks about
16 smoking, but didn't find any unusual distributions or
17 patterns; so -- and it didn't look -- it didn't -- other
18 than, I think, lung cancer, there was no other
19 site-specific cancers. I mean, it was either all
20 cancer, lung cancer, cardiovascular disease, accidents.
21 I think that was the -- sort of the -- the focus.

22 Q. Do you know if Dr. Morgan and the group that
23 performed the -- this initial cohort mortality study,
24 whether they had access to the 1964 Mobil study?

25 A. No, they probably never saw it. They probably

1 never saw it.

2 Q. Where is the raw data from the '64 Mobil
3 study?

4 A. I --

5 Q. The long report, as you call it, that's got
6 the tables and all of that stuff.

7 A. Well, I mean, I have the whole report. Okay?
8 I mean, that's what we're talking about. When you say
9 the data, I don't -- I mean, I -- that's an era of mag
10 tapes and IBM cards. I am sure it doesn't exist.

11 Q. Other than the -- this late '70s, the early
12 '80s Beaumont refinery study that I think you have
13 called the initial cohort mortality study, and this 1964
14 study that you just said was surrendered recently, what
15 other benzene-related studies has Mobil either conducted
16 or asked others to conduct on their behalf?

17 A. Well, after some toxicologic information on
18 unleaded gasoline, there was a concern about kidney
19 cancer specifically and exposure to gasoline; and -- and
20 we conducted -- no individual company had enough
21 distribution workers to do it, and -- on their own; so
22 we, through A.P.I., conducted a very large study of the
23 distribution of gasoline in the United States and the
24 marine component of the distribution of gasoline. So,
25 it's terminals, tankers, and -- and marine barging.

1 That study was conducted in the late '80s.

2 Q. Who conducted that for Mobil?

3 A. A number of investigators. Tom Smith, who is
4 now at Harvard, handled the exposure component.
5 Environmental Health Associates and Dr. Wong handled
6 the -- the statistical and the report, the basic
7 epidemiology reporting. We had Phil Enterline, Wegman,
8 and -- and -- and -- I am blocking out his name -- a
9 very famous industrial hygienist, I think from
10 Harvard -- on a very active oversight panel that met
11 several times a year.

12 And I guess that's the key players.

13 Q. Was that study published?

14 A. Yes.

15 Q. What's it called?

16 A. It's public -- there is quite a few
17 publications out on it. There's an Environmental Health
18 Perspectives monograph. I can actually tell you the
19 publication date because I have a paper in it. It's
20 peer-reviewed, but it's one whole issue. The 1996
21 Environmental Health Perspectives monograph, Volume 104,
22 Supplement 6. It is entirely devoted to gasoline.

23 And then there are two subsequent publications
24 by Dr. Wong in later years. I don't remember the dates.
25 But if you do a search on unleaded gasoline and

1 epidemiology, you'll find them.

2 Q. Did --

3 A. And then --

4 Q. Oh, I'm sorry, go ahead. I didn't mean to
5 interrupt you.

6 A. Well, you -- I'm back to your original
7 question.

8 Additionally, in the late '90s -- well, in the
9 '80s, I had been tagged to represent the U.S. at a World
10 Health Organization IARC monograph on exposures in
11 petroleum refining and gasoline; and, with Otto's
12 assistance, we conducted a pooled analysis, sometimes
13 called a meta analysis, of the major cancer sites coming
14 out of not just our studies but the whole published
15 literature. That was -- we published that.

16 And then in the '90s, Otto and I published a
17 series of papers on multiple myeloma, non-Hodgkin's
18 lymphoma, and solid tumors in petroleum-exposed groups.

19 Q. Were you doing that on Mobil's behalf?

20 A. Mobil let me use my time, and -- and I raised
21 some money from A.P.I. to pay -- to pay Otto for his
22 time.

23 Q. But I take it that you were still an employee
24 of Mobil at the time those studies were --

25 A. Yeah.

1 Q. -- done?

2 A. Yes, that's correct.

3 And then we also updated the refineries one
4 more time and published all of those.

5 So, all of this -- at least all the ones that
6 my names are on is on the back of my C.V.; so...

7 Q. At any time have you published a study that
8 relates to benzene where you were not employed in some
9 capacity by a refinery or chemical company?

10 A. No, I didn't -- when I was with the State of
11 New York, I didn't -- we didn't to that much
12 occupational, since we were focused on New York City.

13 No.

14 Q. Do you know John Spencer?

15 A. Yes.

16 Q. Did he do any work for you when he was at --
17 when you were at Mobil?

18 A. You know, I actually don't know. He did not
19 for me specifically, and my group was epidemiology and
20 health risk assessment.

21 Whether he did any contract work for other
22 parts of Mobil, I don't know.

23 Q. As you sit here today, you don't ever recall
24 hiring John Spencer to do work on behalf of Mobil. Is
25 that true?

1 A. Well, I know I never -- I know I never did.

2 Q. Do you know if John Spencer has ever been
3 hired on any of the studies that you have done on --
4 related to benzene?

5 A. I -- I don't think so, but I -- but, you know,
6 I -- I wouldn't know all the subcontractors that may
7 have been used by some of these other investigators.
8 So, I honestly -- I guess my better -- the best answer
9 is I don't know, but I don't think so.

10 Q. How did you first meet?

11 A. I think when I first met John Spencer was when
12 I had been asked to look at -- conduct some health risk
13 assessment work for a silica-exposed foundry plant.
14 That's where I first met John.

15 Q. For silica exposures?

16 A. Silica, and I think they had -- also had some
17 asbestos found.

18 Q. Do you remember which plant?

19 A. Yeah.

20 Q. Was it Tyler Pipe?

21 A. Yes.

22 Q. It's a real shocker, isn't it?

23 A. It's interesting.

24 Q. Were you hired on behalf of Tyler Pipe or some
25 product manufacturer?

1 A. Some product manufacturer.

2 Q. And were you -- was your role having something
3 to do with cancer?

4 A. Yes.

5 Q. And did you understand Mr. -- Mr. Spencer's
6 role to have something to do with exposure?

7 A. Yes. I had seen his name previously on --
8 on -- on exposure or assessment reports. I sort of knew
9 him, but when -- you asked me when I first met him.

10 Q. Do you recall who you were working for in the
11 Tyler Pipe case, the foundry case?

12 A. No, I don't remember the law firm or the -- or
13 who they were specifically retained for.

14 Q. Was that just one case that you worked on?

15 A. Yeah, I worked on a gastrointestinal cancer
16 case.

17 Q. What other cases or involvement have you had
18 with Mr. Spencer in his role as an industrial hygienist,
19 other than that one litigation case we have talked
20 about?

21 A. I mean, he -- I read his reports in some other
22 litigation cases; and I have spoken with him on his
23 exposure reconstruction and the exposure monitoring he
24 did on Liquid Wrench.

25 Q. You talked to him?

1 A. Yes.

2 Q. What -- go ahead.

3 A. But I don't -- I mean, I -- I have a local
4 industrial hygienist that I use when I -- when I need
5 somebody to help me out in -- you know, on a project.

6 Q. Who is that?

7 A. Wayne Skopypec.

8 Q. When did you talk to Mr. Spencer about this
9 particular case?

10 A. Saturday? Saturday, I think.

11 Q. Which Saturday?

12 A. This --

13 Q. Any Saturday?

14 A. Any Saturday. Last Saturday.

15 Q. And who set that call up?

16 A. I think it was Saturday. It may have been
17 Sunday, but I think it's Saturday.

18 I did. I initiated the call.

19 Q. So, it's been a couple of days ago,
20 essentially?

21 A. Yeah.

22 Q. And why did you call Mr. Spencer?

23 A. Well, two reasons: one is, after reading
24 Vernon Rose's report, I thought he made a misstatement,
25 flat out incorrect, on the issue of what would happen if

1 you spiked benzene into an over-the-counter product like
2 a current version of Liquid Wrench; and so, I wanted to
3 review that with him. As I said, I'm trained; but I --
4 I always like to check my opinions.

5 And, also, I have been aware previously,
6 although never seen the work product, that he had been
7 doing some exposure reconstruction work on Liquid
8 Wrench. And in this case I did see a work product and
9 wanted to review with him, make sure I understood what
10 Table 1 was versus Table 2 and 3 and, you know, how we
11 did the analysis, how the monitoring was done, how long
12 the samples were taken for, that kind of stuff.

13 Q. And do you have notes of that conversation?

14 A. I don't have notes, but I have a very clear
15 recollection of it because it's only a couple of days
16 ago.

17 Q. Now, had you visited with Mr. Spencer about
18 these two issues before you issued your sworn affidavit
19 in this case?

20 A. If I understand the question, no.

21 Q. Let me be more specific.

22 You issued an affidavit on approximately
23 October 30th of the year 2003 specifically about
24 Mr. Cowey's case, correct?

25 A. Correct.

1 Q. Had you talked to Mr. Spencer about any
2 matters related to Mr. Cowey's case before you issued
3 that particular affidavit?

4 A. Not specific to Mr. Cowey. I mean, I -- from
5 previous discussions going back, I don't know, six,
6 eight months ago, I -- I knew he had done Liquid Wrench
7 reconstruction work; and I had a vague recollection of
8 what it was. And so, I assumed that what I was seeing
9 in this case was a product from that exercise. I don't
10 remember actually when he did it, but -- but I knew it
11 was done; and I knew -- knew vaguely what the results
12 were.

13 Q. Well, I take it that you would not have spoken
14 to Mr. Spencer this past Saturday about his exposure
15 reconstruction work in this particular case if you had
16 felt like the work you had seen six to eight months ago
17 was adequate for your needs. Is that true?

18 A. No. I felt it was adequate -- well, I mean,
19 it wasn't -- I didn't need it for my opinion to begin
20 with; but -- but as long as I wanted to check -- knowing
21 that I was going to be asked questions today, as long as
22 I was going to call him, I -- you know, re -- you know,
23 rather than -- I guess what you would say is I
24 reconfirmed, you know, that this was sampling that they
25 had done in -- in the generic sense and not specific for

1 Mr. Cowey and that Table 1 was actually monitored
2 results and they were essentially two-hour -- two hours
3 extrapolated data out of time-weighted average
4 concentrations. What I knew previously, it reconfirmed
5 that, you know, it was standard NIOSH-OSHA protocol,
6 that they did it in a -- I guess the -- I got a few more
7 specifics than I had previously described.

8 Q. Like what?

9 A. Well, it described that they had a closed-in
10 like -- I guess mini room with sheeting -- he mentioned
11 that there is actually videotape on that. I have not
12 seen those. And described how they used two different
13 concentrations.

14 I asked him why -- why the concen -- the
15 level -- why they used so much Liquid Wrench because,
16 from my own personal experience, you don't need that
17 much.

18 But, you know, basically just reconfirmed what
19 I -- what I think -- what I -- my impressions of, of
20 what the whole exercise was; and I figured getting it
21 firsthand wouldn't hurt.

22 But I called him -- I mean, I wanted to know
23 about the -- the spiking issue. I mean, Levy
24 suggested -- not Levy -- Dr. Rose suggested that you'd
25 end up with -- with variable results, actually implying

1 that you would end up with lower numbers; and based on
2 what I knew about -- about the chemistry of mixtures,
3 that, if anything, the number -- the benzene --
4 evaporative emissions off of the current raffinate would
5 be higher and faster than they would have been -- not
6 off the raffinate, excuse me -- the emissions -- the
7 vapor emissions of benzene would actually be potentially
8 higher when mixing it in with the current version of
9 Liquid Wrench as opposed to a raffinate mixture of
10 Liquid Wrench.

11 So, that's really what I, you know, started
12 going in to get clarification on.

13 Q. Have you seen the videotape?

14 A. No, I have not.

15 Q. Did he talk to you about that?

16 A. He described the room and said that they taped
17 it, but I have not had an opportunity to see it. I
18 don't know if I need to see it.

19 Q. You don't know?

20 A. Well, I mean, it -- it would be nice to see;
21 but it is not going to change anything in my -- in my
22 view. I mean, it's just...

23 Q. The product that Mr. Spencer tested, was it
24 the product that Mr. Cowey used back in the 1950s, '60s,
25 and '70s?

1 A. No, it was a -- it was a current version of
2 Liquid Wrench with the benzene content adjusted to two
3 different levels --

4 Q. What was --

5 A. -- or three different. It might have been
6 three different levels.

7 Q. What was the difference between the version of
8 Liquid Wrench that Mr. Spencer tested and the version of
9 Liquid Wrench that Mr. Cowey used back in the '50s, '60s
10 and '70s?

11 A. Well, first off --

12 MR. KEMP: Objection, form.

13 A. -- first off, it's unclear from any of the
14 testimony of Mr. Cowey which version of Liquid Wrench he
15 used; but presuming that some -- you know, if we accept
16 that in some time period he may have used a raffinate
17 version, it would have been a -- a version that
18 contained an unknown quantity of benzene in a mix with
19 a -- with a waste oil or some -- a drip oil of some ilk
20 in this raffinate mix. Its composition would have been
21 different from what is currently used today because,
22 after 1978, benzene was no longer a component of the
23 material.

24 So, Mr. Spencer spiked the -- what is probably
25 a lighter molecular weight material -- total molecular

1 weight material than the earlier raffinate containing
2 Liquid Wrench and then simulated, with no air flow, some
3 exposure scenarios, directly measuring some and
4 calculating using near -- Near Field model, calculating
5 some others.

6 Q. All -- my question simply is: Can you tell us
7 the -- the make-up difference, the component -- the raw
8 ingredient difference between the Liquid Wrench version
9 that Mr. Spencer tested, which you've called the current
10 Liquid Wrench version, and the Liquid Wrench version
11 that Mr. Cowey used back in the '50s, '60s, and '70s?

12 MR. KEMP: Objection, form.

13 A. I -- I am not sure if I have a -- a material
14 specification for exactly what John Spencer used, but I
15 do have material specifications provided as part of
16 disclosure on raffinate-containing and
17 nonraffinate-containing mixtures. So, I think I can,
18 although it's not my area of expertise to start playing
19 that exercise.

20 Q. (BY MR. LUBEL) In other words, was cat -- was
21 kerosene in either version?

22 A. I don't recall.

23 Q. Does the mixture of the Liquid Wrench
24 version -- in other words, what's in it -- does it have
25 any effect on how much a person's benzene exposure is

1 going to be?

2 A. Yeah. I mean, benzene in a higher
3 molecular -- higher molecular weight set of materials
4 would have a different -- would evaporate differently
5 than benzene in a lighter molecular weight set of
6 materials. But, as I say, the -- the -- I mean, that's
7 my knowledge base of the exercise; and that's why when
8 Dr. Rose suggested -- and I think I knew -- well, I'm
9 pretty sure that I knew that the current version would
10 have been a lighter version.

11 So, it struck me that, if anything, what Dr.
12 Spencer did was likely to result in a conservatively
13 high number, not a number that would -- in other words,
14 if it -- it would not only probably be as accurate as
15 using, if you could ever find a sample of 7 percent or
16 -- or if -- or, if it ever existed, a 30 percent version
17 of raffinate, that what Mr. Spencer did would give you
18 numbers that would be potentially higher, but certainly
19 adequate to give you a ballpark estimate of what kind of
20 exposure you were looking at.

21 Q. Well, you said that Mr. Spencer spiked the
22 current version of Liquid Wrench, correct?

23 A. Yeah, I think that's correct.

24 Q. Is this what he told you?

25 A. Well, I think that's what his report states as

1 well. I didn't -- I didn't ask him again on that.

2 Q. And what were the other components of the
3 current Liquid Wrench version that Mr. Spencer spiked?

4 A. You should ask him this evening. I did not --

5 Q. I am asking you what you think -- what you
6 know about that.

7 A. Well, I think it was probably -- I don't know
8 what the 19 -- well, I guess the 2000-plus component
9 mixture of Liquid Wrench is. I presume it's, you know,
10 a lighter cut. It's either a kerosene or a stoddard
11 solvent type material or a naphtha kind of cut, but it
12 would be a low aromatic -- probably lower aromatic
13 content than the raffinate was; so, I mean, that's
14 generally what I -- what I would expect it to be, based
15 on what -- what looked to be where they were heading on
16 a formulation basis.

17 Q. But as you sit here today, you're not familiar
18 with what the components were of the current version of
19 Liquid Wrench that Mr. Spencer tested, correct?

20 A. Other than the benzene content.

21 Q. That he spiked?

22 A. Yes. But you asked me...

23 Q. But take aside the benzene that he -- that he
24 added to it. Do you know any of the other components?

25 A. I have not seen any material chemistry on it;

1 so, I don't know.

2 THE REPORTER: I need to change paper.

3 MR. KEMP: Take a quick break, Lance?

4 MR. LUBEL: Yes, you sure can.

5 MR. KEMP: Thanks.

6 (A break was taken, 10:43 to 10:48 a.m.)

7 Q. (BY MR. LUBEL) You and I, before we took a
8 break, were talking about John Spencer and your
9 conversation with him, correct?

10 A. Right.

11 Q. And you told us that on this last Saturday,
12 you talked to him about two particular issues: one,
13 Dr. Rose's comment with regard to spiking the benzene;
14 and Number 2, the exposure reconstruction work and, in
15 particular, the tables?

16 A. Correct.

17 Q. Is there anything else that you talked to him
18 about?

19 A. Yeah. He -- he referred me to a paper that he
20 knew that I probably had to support -- to support the
21 statement that spike -- you know, that what he did
22 would -- would actually be -- yield predictable results.

23 Q. Do you have that with you?

24 A. No, I don't.

25 Q. What's the report?

1 A. It's the Elkins, Pagnato report.

2 Q. The 1963 study?

3 A. Uh-huh.

4 Q. Is that a yes?

5 A. Yes. I'm sorry.

6 Q. And, in fact, I take it that you have looked
7 at that study?

8 A. I don't have it here.

9 Q. In the past?

10 A. Yes.

11 Q. At some point, you have looked at it?

12 A. Yeah.

13 Q. Did you recognize from looking at the 1963
14 Elkins study that the content of benzene --

15 A. I -- I did take notes.

16 Q. Okay. Great.

17 A. Up --

18 Q. We will come back to that.

19 A. That's all I have.

20 Q. In looking at the Elkins study of 1963, did
21 you appreciate that the content of benzene in the
22 petroleum naphtha that they were studying was less than
23 2 percent?

24 A. You know, it's -- I don't recall that level of
25 detail.

1 Q. Well, tell me what Spencer told you about
2 that -- the Elkins study.

3 A. Well, he told me that the -- Vernon Rose
4 actually misread that study and -- and I probably should
5 have been taking notes, but I figured I would not be
6 expert enough to redo the calculation; but he referred
7 me to a section of that report where he says, you
8 know -- he said that, you know, if -- if you read it and
9 understood it, you would see what I did was, you know,
10 perfectly reasonable.

11 Q. Is the Elkins study applicable to Mr. Cowey's
12 case?

13 A. I think it's -- no. I think it -- it -- only
14 in the sense that -- that I believe Vernon Rose has used
15 that as a reference -- as a criticism of what
16 Mr. Spencer did. I mean, I wouldn't...

17 Q. Do you think that's the reason he used the
18 Elkins' study?

19 A. Well, that and the fact that you can get,
20 under some conditions, exposures of -- you know,
21 moderately high exposures from mixtures containing
22 benzene; but, I mean, that's not a -- a world-renown
23 secret, either. So...

24 Q. So, I take it that you don't disagree with
25 the -- the proposition that even low content benzene

1 mixtures, such as the naphtha that was studied in the
2 1963 Elkins study, can give off pretty high exposures in
3 the 30 part per million range?

4 A. I -- I am not -- I've not reviewed the Elkins;
5 so, I'm not going to agree with that piece of your
6 statement. What I will agree with is that
7 benzene-containing mixtures, under the right conditions
8 of use, can give you exposures above the current --
9 current accepted T.L.V.s.

10 Q. And what information do you need to know with
11 your experience in industrial hygiene to determine
12 whether the mixtures itself that contained benzene,
13 under the right conditions, can get you levels in excess
14 of the permissible exposure limits?

15 A. Well, a big piece -- big piece of this is a
16 quantity issue and conditions of use. I mean, we're
17 talking -- we're talking Liquid Wrench exposures; and
18 I -- I suspect, if you're at all a handyman, which I was
19 in my first life when I was poor and had to do
20 everything myself, you know, I have used Liquid Wrench
21 on numerous occasions. I know that you don't need
22 copious quantities of the material. Use -- you know,
23 not even -- I mean, the levels of material that
24 Mr. Spencer uses are, in my judgment, much higher than
25 you would typically use; so, I considered what he is

1 doing sort of the worst case scenario type stuff.

2 Mr. Cowey, in his testimony, said he'd put
3 it -- he'd put it on the -- on the piece and go away.
4 And, in fact, I think he specifically said he'd go away
5 for 24 hours, which is probably unusual in my mind, you
6 know, to wait that long for it to work; and that
7 Mr. Cowey tended to use it in ventilated areas.

8 But you're still talking, you know, half
9 ounce, ounce kinds of quantities of a material that
10 contained, you know, 1 to 14 percent, depending on -- on
11 time period. You know, we don't know precisely what the
12 exposure was.

13 But even in Mr. Spencer's simulation of -- of
14 30 percent -- and I say simulation. Not that he made it
15 up. I mean, he directly applied that quantity to -- to
16 a -- to a fitting and then measured evaporative
17 emissions over a two-hour period. I mean, that -- that
18 is not what Mr. Cowey says he routinely did; but it
19 certainly gives you an estimated ballpark that confirms
20 my own belief that the exposures were -- were relatively
21 minor.

22 And remember, you know, I have done a lot of
23 studies of acute myelogenous leukemia. You need
24 dramatically high, repeated exposures to -- to initiate
25 that disease process. So, they just aren't there in

1 Mr. Cowey's case.

2 MR. LUBEL: Will you read back my
3 question?

4 (Requested Question read back.)

5 Q. (BY MR. LUBEL) I am not asking you about
6 Mr. Cowey's case. I am talking about generally --

7 A. Okay. Generally?

8 Q. -- what you need.

9 A. Well, first I'd want to know what permissible
10 exposure limits are you talking about? Are we talking
11 about permissible exposure limit in the 1960, 1970, 1980
12 or 1990? So, that goes a long way in -- in the amount
13 of detail and historical information I would need, I
14 would -- if we have a material that has the intrinsic
15 hazard to cause a disease. So, we have benzene and we
16 have leukemia, acute myelogenous leukemia -- I generally
17 would like to know as much about the quantitative nature
18 of the exposure as I can get.

19 As an epidemiologist, I would not routinely be
20 the one to generate that information; but if there isn't
21 quantitative information specific to reconstruct it for
22 the individual, I rely on what I know from petroleum
23 refinery workers. We've studied them extensively.
24 They -- we know that benzene is in 1 to 30 percent -- 1
25 percent to 30 percent in the streams all over the

1 refinery; so, it's not unusual to have refinery workers
2 exposed to low levels. I know that we have not seen
3 excesses of leukemias in refinery workers hired after
4 the 1950s when the T.L.V. went to 25.

5 So, I sort of would work through the logic of
6 how likely is this individual to be exposed to
7 concentration levels or time-weighted averages
8 substantially above 25 ppm, how long that would have
9 occurred, and when that occurred relative to when his
10 disease was diagnosed.

11 So, that's the mental process I would go
12 through.

13 Q. But how would you, with your experience in
14 industrial hygiene, look at a mixture and determine what
15 the exposures to benzene were from that mixture?
16 Generally speaking.

17 A. I wouldn't. I mean -- I mean, while I may
18 have had the academic training to do it, unless you do
19 those things regularly and with precision, you know, I
20 am not qualified to do that. So, I mean, I -- I would
21 go back to whoever has asked me to do a risk assessment
22 on an individual and say tell -- you know, "Do we have
23 a -- an estimate of his exposure that's quantifiable?"

24 Q. You would hire somebody else to do it, is what
25 you're saying?

1 A. Yeah. Well -- but not in all cases do you get
2 one. So -- but, you know -- yeah, I mean, I -- I'd
3 recommend that you go get one if you can. But you need
4 a certain amount of information to -- to get -- to get
5 a -- let me restart.

6 For purposes of a specific risk assessment,
7 depending on the disease, you need varying levels of
8 precision on the exposure estimate, if there was any
9 reason to believe that the exposures were high during
10 certain periods of time, that would suggest that you
11 would be approaching cumulative time-weighted averages
12 of 200 ppm, where the risk for AML goes up dramatically.

13 So, you -- I would look for more precision in
14 the estimates when there are higher exposures. If the
15 exposures are essentially trivial or diminimus, I need
16 less precision in the estimate because I can see very
17 simply that if -- if its concentration of exposures or
18 time-weighted average s are, you know, under a ppm or
19 under a few ppm, he's just not going to get a dose
20 suitable. So, I can work from an exclusionary place,
21 not -- not necessarily an inclusionary place.

22 Q. Let me ask you this: Does the content, the
23 amount of benzene, in a mixture influence or have any
24 impact on the person's exposure to it?

25 A. No, because that's intrinsic -- that's an

1 intrinsic property of the material. You have to look at
2 conditions of use before it starts to make any sense.

3 Q. Conditions of use affect the exposures,
4 correct?

5 A. Correct.

6 Q. But as a general proposition, the more benzene
7 in the product, does that mean more likely than not
8 there is going to be more exposure, if it's a mixture?

9 A. Only if exposure -- if the material is being
10 used in -- in a condition that results in exposure. I
11 can have 100 percent benzene sitting on my shelf for 30
12 years and come down with MDS, and that benzene did not
13 cause -- cause it --

14 Q. Well --

15 A. -- because I don't have exposure to it.

16 Q. -- let's put it this way --

17 A. I am just trying to get you to separate --

18 Q. Yeah, but --

19 A. -- in my mind, a very --

20 Q. -- but you're talking about causation. I'm
21 not talking about causation.

22 A. Yeah, but I'm talking very specifically about
23 intrinsic hazard of a material versus the -- what the
24 risk could be from that material.

25 Q. But I am not asking you about risk.

1 A. Okay. Then I misunderstood.

2 Q. I'm asking you about industrial hygiene.

3 A. The question.

4 Q. Let me ask it again.

5 If a person is working with a mixture, a
6 product that contains benzene, would you agree with the
7 general statement that the more benzene that is in the
8 product would mean that exposures would be higher,
9 assuming that the use condition -- or the conditions of
10 use, there's going to be some exposure?

11 A. Yes. I mean -- I mean, that's kind of, in one
12 sense, obvious. I mean, the -- even -- you get very
13 dramatic differences, looking at the --

14 Q. I am not asking you about this case. I am
15 just asking you in general.

16 A. No, but I'm saying, obviously, I mean, if you
17 have the same amount of -- if you're exposed to the same
18 quantity of the material and conditions of use, the
19 higher the benzene content of that material is going to
20 affect the total benzene exposure. I mean --

21 Q. Now, from an industrial hygiene standpoint, in
22 order to estimate what the benzene exposures are from
23 the mixture, assuming you know how much benzene is in
24 the mixture, do you also need to know what the raw
25 ingredients or components are of the rest of the

1 mixture, other than benzene?

2 A. We're rapidly getting to the edge of where I
3 am comfortable offering opinion on industrial hygiene
4 matters, but I will -- will go so far as to say it
5 depends on the importance of the precision of the result
6 that you're looking for. If -- if you are looking for
7 high levels of precision and you're not testing the
8 material directly, yeah, the more -- you will need more
9 information.

10 Q. Okay. So, would it be appropriate for you, as
11 an epidemiologist, assuming you didn't have any
12 quantitative data, for instance, to rely on the Elkins'
13 study if that study stands for the proposition that
14 under different use conditions a mixture that contains
15 as little as 1.6 to 2 percent benzene can result in some
16 pretty high part-per-million exposures?

17 A. You're misunderstanding, and I think now you
18 are going back to the Elkins' report. I think you are
19 misunderstanding an intrinsic -- a key element of -- of
20 this whole exercise, and that key element is it is
21 substantially -- the volume of material that is being
22 evaporated, it plays a big role in what the total ppm
23 content in a -- in a relative space is going to be.

24 And you're -- you're trying to oversimplify
25 the issue of the quantity of material that is -- that is

1 evaporating. And here we're talking half ounce, ounce
2 of materials. We're not talking an industrial exposure
3 where, you know, there's 2 gallons of -- of 3 percent
4 material spilled in a confined space. Different beasts.

5 Q. Are you -- is it your understanding that the
6 Elkins' exposure data came from confined spaces?

7 A. No. I am just giving you my -- trying to give
8 you my -- my analogy as it -- as it applies generally to
9 this case.

10 Q. But tell me how the Elkins' --

11 A. I don't remember the Elkins' report.

12 Q. -- data -- okay.

13 A. We've covered that already.

14 Q. All right.

15 A. If you want to get into that, I will sit here
16 and read it and go over it; but I think it was a pretty
17 detailed industrial hygiene report.

18 Q. Can you point to me or -- what were
19 Mr. Cowey's exposures?

20 A. To --

21 MR. KEMP: Objection, form.

22 A. -- what?

23 Q. (BY MR. LUBEL) To benzene. How often did he
24 use Liquid Wrench?

25 A. Oh, potentially -- well, we --

1 MR. KEMP: Objection, form.

2 A. From my recollection of reading his
3 deposition, we don't have specific information on how
4 frequently he used it. Based on the fact that during
5 the period of time when Liquid Wrench contained benzene,
6 he worked on approximately eight or nine houses -- I
7 mean, most of the houses he worked on were in later
8 years -- and his description of using it to free up
9 pipes, that -- that doesn't -- I don't believe from any
10 of the testimony that he gave that he was replacing
11 every pipe in the bloody house. So, you know, if he
12 used it periodically when -- when he was doing the
13 plumbing piece of his fixing -- house fixing up, he'd
14 have intermittent, periodic exposure to whatever level
15 of benzene it contained; but he also describes that he
16 would just apply it and then leave the area and come --
17 come back 24 hours later.

18 So, unless you're postulating instantaneous
19 exposure, he may -- even if it did contain benzene --
20 have trivial exposure.

21 Q. (BY MR. LUBEL) How often --

22 A. He would have had other exposures to a variety
23 of materials going back into those time periods for
24 which I have not seen data on, but presumptively other
25 materials, paints, or -- I don't know if he did

1 furniture stripping. It wasn't quite clear what all he
2 was doing in some of these houses. You would have had
3 other solvent material -- containing materials that --
4 that may have had benzene levels.

5 Q. How often did Mr. Cowey use Liquid Wrench, or
6 do you know?

7 A. I -- I don't think -- my reading doesn't
8 permit me to offer a quantitative estimate.

9 Q. Do you recall there being a specific question
10 asked that detailed to Mr. Cowey?

11 A. I remember questions on how much Liquid Wrench
12 he may have used in the course of a year, and that
13 seemed -- struck me as quite a large volume; but I think
14 it was -- he did offer a volume. I don't recall how
15 frequently he said he used it on a daily -- I don't
16 know -- I don't recall anything that says daily or
17 weekly or...

18 Q. You don't remember that line of questioning --

19 A. No.

20 Q. -- correct?

21 How do you recall the testimony where there is
22 an indication that he used approximately 6 gallons a
23 year?

24 MR. KEMP: Objection, form.

25 A. I recall that -- that his original statement

1 was like three to five, maybe six, kind of range of --
2 so, I don't know exactly whether it was six or three or
3 something less than that; but that's a lot of Liquid
4 Wrench, if you've ever used Liquid Wrench.

5 Q. (BY MR. LUBEL) And it's in particular a lot
6 of Liquid Wrench over 26 years with benzene in it, is it
7 not?

8 A. Well, we don't --

9 MR. RILEY: Objection, form.

10 MR. KEMP: Objection, form.

11 A. I don't recall any statement saying that "I
12 used 6 gallons a year up until '78"; so, we -- we
13 really -- we don't know two things: we don't know which
14 Liquid Wrench he used, and we don't know the -- the time
15 period you're laying out. It did not contain benzene
16 the whole time period.

17 Q. (BY MR. LUBEL) Really?

18 A. Well, which -- maybe I misheard your -- the
19 time period.

20 Q. Let me ask you this: If Mr. Cowey used
21 approximately 6 gallons of Liquid Wrench that contained
22 benzene between 1952 and 1979, would you agree that
23 that's a lot of Liquid Wrench containing benzene?

24 MR. KEMP: Objection, form.

25 A. It's -- I -- I don't know if it contained any

1 benzene --

2 Q. (BY MR. LUBEL) I am saying assuming.

3 A. -- what he bought.

4 Q. I said assuming.

5 MR. KEMP: Same objection.

6 A. I have already said it's a lot of Liquid
7 Wrench to use annually; so, I mean -- I don't know. I
8 mean what -- what...

9 Q. (BY MR. LUBEL) That's a lot of --

10 A. We all -- but we already know that he -- that
11 he also used -- states he uses it when -- wherever
12 possible, in well-ventilated areas. He used a fan. And
13 he put it on and would leave the area. So, I don't know
14 if it's a lot of benzene exposure. It's a lot of Liquid
15 Wrench. That's all I can tell you.

16 Q. You don't have enough information to assess
17 his benzene exposure, do you?

18 A. Quantitatively?

19 Q. Correct.

20 A. No.

21 Q. Have you seen Dr. Samimi's report?

22 A. Yes.

23 Q. Okay. When were you furnished that?

24 A. I read it briefly yesterday.

25 Q. And did you talk to Dr. Spencer about

1 Dr. Samimi's conclusions?

2 A. No, I didn't.

3 Q. Do you have any criticisms of his report?

4 A. Dr. Samimi's?

5 Q. Yes.

6 A. It's ridiculous. I mean, it's...

7 Q. What are the criticisms?

8 A. Well, I -- I didn't go over it -- I didn't go
9 over any of the calculations, per se; but it is
10 ridiculous to presume that -- one of the scenarios is 4
11 ounces per application. And then the next scenario is
12 using it twice a day, eight -- five days a week, 50
13 weeks a year. I did do a quick calculation that that's
14 roughly 14 gallons of the material a year.

15 I -- I don't think refineries use that much
16 material. It just -- it is just so improbable and so
17 out of -- inconsistent with both the -- the testimony of
18 Cowey. It doesn't even give me a -- an intelligent
19 ballpark. I just found the whole thing sort of silly.
20 And that's -- I stopped reading it, and I threw it back
21 across the table.

22 Q. Well, how much is -- is a teacup?

23 A. Well, it depends what kind of teacup you have;
24 but I will tell you the teacups that -- that my mother
25 used to have were, I believe, 6 ounces.

1 Q. So, a half a teacup would be approximately 3
2 ounces?

3 A. Yes. But I don't know of people who go around
4 putting Liquid Wrench in teacups and then applying it to
5 pipes; so, the -- the speculation, what was that, from
6 Mrs. Cowey, that -- that he used teacup -- half a teacup
7 quantities, you can't -- you can't use that as a basis
8 to try and quantitatively estimate how many ounces would
9 be used. It would be way more logical to -- to look
10 realistically at the -- at the material. I mean, I've
11 done cast iron pipes. I've done nuts and bolts with it.
12 You -- you apply, you know, enough material to, you
13 know, saturate the joint that you're trying to loosen;
14 and --

15 Q. How much is it?

16 A. I -- well, in my personal experience, it's
17 probably somewhere between a few drops and a -- and a
18 half ounce, because I know my can -- my 8-ounce can, or
19 whatever can size it is, lasts for years. Now, I grant
20 you, I'm not, you know, doing -- running bolts every
21 time; but it's not a material you need copious
22 quantities of. It would drip right off and make quite a
23 mess.

24 It's just -- it's just -- and -- and
25 nowhere -- I mean, using it twice a day, he had the

1 individual exposed, I think, for an entire hour period
2 in his calculation, maybe longer, or maybe -- no, I
3 recall, the 70 percent of the total volume -- sufficient
4 to evaporate; so, there was no actual time calculation
5 in there.

6 It just -- in my judgment, it was just too
7 artificial to be of any utility for -- for anything.

8 Q. Have you covered all of the criticisms
9 regarding Dr. Samimi's report?

10 A. Well, I said I have not attempted -- it's not
11 an easy report to read, and I think I spent 15 minutes
12 on it. So, I did not attempt to actually check to see
13 whether his calculations are correct or other elements
14 of his estimates made any sense.

15 Q. Would you agree that if Dr. Samimi -- any of
16 the scenarios that he gave are reliable exposure levels
17 for Mr. Cowey, that, in fact, he would have had enough
18 exposure to benzene over a 26-year period to develop
19 MDS? If his information is accurate.

20 A. I didn't actually follow it all the way
21 through. It just struck me as so ludicrous on the
22 volumes and the frequency that I didn't -- I don't even
23 remember what -- what final numbers he came up with.
24 They were all STELs; so, there was no -- I believe they
25 were STELs. So, it's -- one would need to reconvert

1 them back to time-weighted averages for me to understand
2 it better.

3 I mean, yes, I saw some numbers that would
4 have been over the -- today's STEL, but a number of the
5 numbers would have been under the time-weighted averages
6 of the '60s -- of 1950 and -- well, certainly 1950 and
7 1940s, the numbers would have been acceptable T.L.V.s.
8 So, I didn't attempt to go through the report at that
9 level of detail. I leave that to others.

10 Q. What is the safe level of benzene exposure?

11 A. Safe is a political statement. A scientific
12 statement is that there is no evidence of increased risk
13 of disease until you accumulate 200 parts per million
14 person years, and typically the concentrations would
15 have to be over -- at least some of the concentrations
16 would have to be over 50 ppm concentration levels. So,
17 it's not just purely person years.

18 Q. So, if a person is exposed to less than
19 200-part-per-million years of benzene, they're at no
20 risk of developing a benzene-related disorder or
21 disease, correct?

22 A. They would be at no measurable risk.

23 Q. What do you mean by measurable?

24 A. Well, only that there is no evidence to say
25 that they're at increased risk. I mean --

1 Q. So, they would be safe?

2 A. Yeah. It would be safer if they were exposed
3 to substantially less than that, as well. I mean,
4 any -- any number -- substantial -- you know, that's the
5 level where we've got evidence that it's bad. So, you
6 pick a safe level below that.

7 Q. So, I take it that you disagree with the
8 statement that the American Petroleum Institute made in
9 1948 that there is absolutely no safe level of benzene
10 exposure?

11 A. But that's a generic toxicity statement. I
12 mean, no exposure to a toxic material cannot -- is the
13 safest level you can achieve. I mean, at -- it's a
14 semantic statement. It's not a -- a statement of -- of
15 reality. Zero will be the safest exposure on any toxic
16 material.

17 Q. Do you know what the A.C.G.I.H., the American
18 Conference of Governmental Industrial Hygienists,
19 recommended exposure level is to benzene right now?

20 A. Yeah. I believe it's .5 -- .5 MTLV.

21 Q. A half of a part per million?

22 A. Uh-huh.

23 Q. Over a working career?

24 A. Well, they presume a 40-year working career.

25 Q. That would be about 20-part-per-million years,

1 correct? Forty years at 25 --

2 A. Right.

3 Q. -- would be 20-part-per-million years, true?

4 A. Correct.

5 Q. That's a lot less than 200-part-per-million
6 years?

7 A. That's correct.

8 Q. So, I take it that you disagree with the
9 American Conference of Governmental Industrial
10 Hygienists that exposure levels in excess of 20 part per
11 million years are unacceptable?

12 MR. KEMP: Objection, form.

13 A. No. They -- they have chosen a wide margin of
14 safety in their calculation. I mean, you're -- and
15 you're talking something that they came up with in '92
16 or so. Up until that point it was 10 for many years.
17 Actually, maybe -- it may have gone down to 1 before
18 they went there.

19 But, as I say, setting of safe limits -- and I
20 don't want to use political -- I mean social -- social
21 politics is a -- is an -- is a different proposition
22 than estimating where -- what -- what the risk levels
23 are for demonstrable disease. So, I have no problem
24 with the level. I did comment to them in that process
25 that it was unnecessarily low.

1 Q. (BY MR. LUBEL) Do you have with you the
2 studies that -- that show us that people that are
3 exposed to -- or that conclude, actually -- do you have
4 studies with you that conclude that workers exposed to
5 less than 200-part-per-million years are safe from
6 benzene?

7 A. Yeah, we have lots of -- I mean, the way you
8 have just stated it, it would be any study that didn't
9 show an excess of leukemia; and there -- are you
10 interested in reports that demonstrate the 200 ppm as
11 the point of departure where the risk goes up?

12 Q. I am interested in a study --

13 A. Or are you interested in studies that show
14 that there is no risk in workers exposed to levels below
15 that?

16 Q. I am interested in a study that concludes that
17 workers exposed to less than 200-part-per-million of
18 benzene are safe. Can you find that study or those
19 studies that conclude that specifically?

20 A. Well, you don't write studies that way; but if
21 you look at the petroleum refinery workers studies that
22 are summarized in one of the papers that I have attached
23 there, that demonstrates that since 1950 -- do you want
24 me to --

25 Q. If you can just pull the studies --

1 A. Okay.

2 Q. -- because I am going to ask you to find the
3 conclusion that says this.

4 A. Well, the conclusions don't -- you don't write
5 conclusions that way.

6 Q. Well, get me the closest conclusion that says
7 it.

8 A. I mean, what the study will say is that there
9 was some evidence of increased risk in workers hired
10 before 1950. There isn't in workers hired after 1950.
11 Here's the array of acute myelogenous leukemia across
12 all the studies. There will be discussion of what the
13 exposure -- the published exposure numbers that have
14 been described in various literature for benzene in
15 petroleum refinery workers, and there will be a
16 conclusion that there does not appear to be a risk at
17 these levels. That's it. You don't work off of a
18 threshold.

19 Q. Can you find the study that you believe is
20 closest to stating the conclusion that workers at
21 exposure levels to benzene below 200 parts per million
22 are safe, or are not at risk of getting disease?

23 A. It appeared -- there are two references in the
24 pile that you've got here. One is the -- the -- one is
25 the -- is the short letter by Dr. Wong, 19 -- 1998, in

1 the Journal of National Cancer Institute. The other is
2 the discussion of acute myelogenous leukemia in the
3 paper -- and there are a couple of versions of the
4 published paper. The ones -- the one that is included
5 here is a paper by Raabe and Wong entitled "Leukemia
6 Mortality by Cell Type in Petroleum Workers with
7 Potential Exposure to Benzene."

8 Q. That's by who?

9 A. Raabe and Wong. Me, myself, and Dr. Wong.

10 Q. Okay. Now, let's go -- let's first talk about
11 your study.

12 A. Okay.

13 Q. Can you find for me the conclusion -- or the
14 closest thing you can find to a conclusion that people
15 that are exposed to less than 200-part-per-million are
16 not at risk of some type of benzene-related disorder?

17 A. Yeah, it's -- under the word, "Conclusion,"
18 there is a paragraph that resummaries what I just said
19 to you before. And if you go into the "Result" section
20 and the "Discussion," section you will see more
21 background on -- on that.

22 Q. Can you just -- will you highlight for us
23 the -- the sentence that says it?

24 A. It's not a sentence. It's a paragraph.

25 Q. Can you highlight the paragraph? Or circle

1 the paragraph.

2 A. I mean, you're asking me for a conclusionary
3 statement. That's the closest to -- to that. There is
4 more discussion on -- about benzene exposures under the
5 section called "acute myelogenous leukemia," which goes
6 on for several pages.

7 Q. How do you deal with the -- the Australian
8 Petroleum Institute study that ExxonMobil sponsored that
9 says that lower levels than what you're suggesting
10 causing benzene-related disorders can, in fact, cause
11 benzene disease?

12 A. Well, you're talking about the Health Watch
13 studies, most -- the first -- the first partial of that
14 was published just this year. There is a whole --
15 excuse me. Are we -- are we done with this?

16 Q. Right now I'm talking about the A.P.I.

17 A. Okay.

18 Q. I mean, not the A -- the Australian Petroleum
19 Institute?

20 A. The Australian?

21 That study has just come out. The unpublished
22 version of the report clearly demonstrates that however
23 they reconstructed the exposures, they came out with
24 exposures substantially lower than either the U.K.
25 study, the Canadian study, or any of the studies that

1 we've seen so far.

2 That suggests to me there's something wrong
3 because it's hard for me to believe that the Australian
4 Petroleum Institute was so different from the rest
5 during that period. So, while they may -- you do
6 understand enough statistics that a dose-response curve
7 can slide anywhere on an axis. If I multiply all
8 exposures by 10, I'll get the exact same shape of the
9 curve. I have just moved the -- where -- where it falls
10 in the various levels on a -- on the risk exposure
11 profile.

12 So, I think they've got it wrong. It's -- and
13 it only speaks to AML. I mean, they found no
14 relationship to multiple myeloma and non-Hodgkin's
15 lymphoma; so, it suggests to me that they may have the
16 right shape, they just have the dosimetry wrong.

17 Q. Well, they did say there was a statistically
18 significant excess of CLLs. You read the same study I
19 did.

20 A. Well, I'm not sure if it's still significant
21 in the published study.

22 Q. What happened from the unpublished to the
23 published study?

24 A. Well, the numbers are different from the
25 unpublished to the published, which is one of the things

1 that is going to have to get resolved in the scientific
2 community over the next couple months or years.

3 Q. Well, why would the --

4 A. The numbers are different.

5 Q. Why would it be different?

6 MR. KEMP: Objection, form.

7 A. I'd just -- I'd be speculating. I can tell
8 you what happens in studies that could account for that,
9 but I wouldn't know why they're different. The numbers
10 are different, though, between the published and the
11 unpublished. And not all the tables. Some of the
12 tables.

13 Q. (BY MR. LUBEL) Have you talked to anybody
14 from ExxonMobil about the study?

15 A. I spoke with Rob Schnatter when the
16 unpublished version came out.

17 Q. What did you say to him?

18 A. That this thing is a bear to read, and it
19 looks like they got -- you know, why -- I mean, they
20 were just a sponsor; so, I mean, they're sort of stuck
21 with the same report that I am. They had difficulty
22 understanding what's going on because a lot of the
23 analyses you would like to see at cell type levels are
24 not done at cell type levels. So, as you move through
25 the unpublished version of the report, there's some

1 interesting stuff in one analysis, but they never do
2 that analysis again when they're looking at other parts.
3 Are you familiar with -- this is a 300-and-something
4 page report with lots and lots of tables.

5 Q. So, they just missed it --

6 A. Well, I don't know what -- I mean, I -- I
7 think there is reason to believe that there are errors
8 in -- errors in either the exposure assessment or the
9 way they calculated the dose response.

10 We already know from reading the unpublished
11 one that the tumor registry from which they're
12 generating their expecteds did not identify all the
13 cases in their own cohort. So, you do have a potential
14 problem where they used best evidence to get the cases;
15 and -- and in the actual cohort piece of the study, the
16 expecteds are coming from a somewhat different set.

17 So, there are a number of technical
18 methodologic problems. The study's just recently out.
19 Clearly has a different exposure profile for the
20 industry than we have seen elsewhere. So, I -- you
21 know, I think it's too early to tell whether it's -- you
22 know, has any utility or not. I mean, I talk about it
23 in my report briefly.

24 Q. And the -- the conclusion reached by Hayes and
25 Yin and others in the 2000 Chinese study article, if you

1 will, that talks about excess risk of MDS at exposure
2 levels below 10 parts per million, you disagree with
3 that, too, correct?

4 A. I don't know if it's right or wrong. I am
5 telling -- I am telling you that the -- the analyses of
6 the -- of that series of cohort studies that come out of
7 it are presumed to have what are potentially fatal flaws
8 in exposure reconstruction, and that's -- I mean, I am
9 not going to try and adopt a -- a result that even
10 E.P.A. says can't be used.

11 Q. All right. The second article that you
12 referenced as evidence that exposure levels to benzene
13 in excess of 200 parts per million were necessary to
14 cause benzene-related disorders was another Wong
15 article, correct?

16 A. Yeah.

17 Q. Is it Exhibit -- in Exhibit Number 1?

18 A. Yeah.

19 Q. Is it the first article?

20 A. No. Well, I don't think he discusses that.
21 No, it's -- it is this one.

22 Q. Can you highlight the section --

23 A. Sure.

24 Q. -- or circle it?

25 A. (Witness complies.) Well, this -- this --

1 there's -- there is another paper that I thought I also
2 included in here, but I didn't. This -- this one you
3 would have to pretty much read the -- I mean, it's only
4 two typed columns. You would have to read the whole
5 shebang to get the -- the essence of what I have -- what
6 we were just discussing out of it because it tends to
7 focus more on -- it just briefly focuses on leukemia.

8 There is one additional paper that I didn't
9 cite here -- that is not cited, but it's also published
10 by Wong, and I think it's probably 1996; but it's not in
11 the -- the material is rereviewed in a number of
12 different papers, but the -- the first paper in that
13 series is not -- the first Wong paper in that series is
14 not here.

15 Q. What other authors, in addition to Wong, of
16 which you have articles, have reached this conclusion in
17 the published paper?

18 Let me restate. Do you have an article here
19 before you, or one that's referenced in your affidavit,
20 that states or concludes the same thing that we have
21 been talking about but was authored by somebody other
22 than Wong or yourself?

23 A. There's a paper by Kenny Crump that does an
24 analysis specifically looking at AMLs and nonAML
25 leukemias.

1 Q. Do you have that with you?

2 A. No, I don't. But -- but Kenny Crump used
3 different cut points. His interest was more a
4 regulatory modeling interest; so, his cut points were 45
5 ppm years versus -- I don't know what the next higher
6 category was. But you get -- it's the same -- exact
7 same data set; it's just cut differently. And you get
8 the same interpretations with just cut points that don't
9 quite come up to the 200. I mean, what -- what Otto and
10 I have done in various papers is essentially go back it
11 up.

12 The other paper that you would want to look
13 at, if you're interested in that subject, is a paper by
14 Robert Schnatter -- not Robert -- Rob is -- that first
15 name is Rob, R O B, Schnatter did using the -- the
16 same --

17 Q. Is it Schnatter or Schattner?

18 A. Schnatter.

19 Schnatter took a different approach than Otto
20 and I. He actually -- because our paper was already
21 out, he's of the opinion that concentration is perhaps a
22 more -- a more important metric than the actual
23 cumulative exposure.

24 Q. What do you mean by that?

25 A. It's not -- and I don't disagree -- disagree

1 with him.

2 There are two ways to look at exposure
3 metrics, or dose: dose rate -- in other words, how
4 much -- they both have elements of time, but one is how
5 much dose -- how much exposure is actually delivered in
6 the period of time, as opposed to cumulative dose, which
7 is how much exposure is accrued over time.

8 Q. Is he the fellow that thinks that the STELs,
9 the short-term exposure limits, are more important than
10 the long-term exposures?

11 A. Well, there are -- no. That's a different set
12 of folks. Rob -- Rob is an epidemiologist. Rob -- what
13 he thinks about the STELs, I have never discussed it
14 with him.

15 But Rob -- Rob's analysis suggests that --
16 which is not inconsistent with -- with everything
17 else -- that you really need exposures substantially
18 above 25, probably over 60, ppm concentration over some
19 period of time.

20 Q. What period of time?

21 A. He shows in excess at 60, AML, excess at 60
22 for six years. I think I am quoting it correctly.

23 Q. Sixty over what duration?

24 A. Six years.

25 Q. Every day?

1 A. Yeah. Well, time-weighted averages, the -- he
2 did several analyses with different exposure metrics.
3 One analysis says they have to be over 25 -- 20, 25 for
4 six years; the other says 60 for six years, which is not
5 inconsistent with what -- with what I have in my report.

6 Once -- once the T.L.V.s went down below 50 --
7 in other words, once we went down 25 ppm time-weighted
8 averages -- we no longer saw AML excesses in any of
9 these populations. I mean, that's an indirect way of
10 saying the same thing.

11 What -- what -- what Rob was doing in his
12 analysis was looking at -- he cut the concentration --
13 remember from the Pliofilm cohort, we have T -- we have
14 time-weighted averages annually for these workers. So,
15 he'd look at all the workers who never had time-weighted
16 averages above X, and then another cut above X plus 10;
17 so, in essence, you end up with a dose-response curve
18 that is really focusing only on concentration. And you
19 don't see any increased risk for AML until you get a
20 long-term exposure at concentrations above 60, which was
21 the average metric.

22 Q. So what he found was if you have high enough
23 exposure levels, which you say is above 60 parts per
24 million --

25 A. Well, I didn't say. I mean, it's --

1 Q. You said that's what the study says?

2 A. Right.

3 Q. -- for six years, then you can have an excess
4 risk of leukemia?

5 A. Right.

6 Q. You disagree with that?

7 A. But that gives you -- you know, in the same --
8 you're in the same person years -- you're in relatively
9 high person year levels at that point; so...

10 Q. I am just asking you if you disagree with his
11 conclusions.

12 A. No, I don't disagree with it. It's just a
13 different way of looking at it. I mean, what it all
14 says is that we go back to the underlying biology. You
15 need a certain threshold of dose that is going to
16 initiate toxicity in the bone marrow that's going to --
17 and you need to sustain that over some period of time
18 before you're going to increase the risk of -- of AML.
19 And that's, in essence, why the T.L.V. is dropped -- I
20 mean -- and why they left it at 25 for such a long time,
21 because they were trying to reduce toxicity; and once
22 you reduce toxicity to very low levels, the AML risk
23 goes away.

24 Q. And does that risk vary depending on
25 individual susceptibility?

1 A. Oh, sure. I mean, individual susceptibility,
2 which is something we can't measure, is -- is -- I mean,
3 otherwise everybody exposed, you know, would be -- would
4 come down with the disease.

5 Q. In other words, not everybody that smokes a
6 pack of cigarettes a day for 30 years gets lung cancer,
7 correct?

8 A. Correct.

9 Q. There is variation, not only in groups but in
10 individuals, correct?

11 A. Yes. But we just -- I mean, we don't -- I
12 mean, that's why we're doing more and more molecular
13 biology and molecular epidemiology where you're not only
14 spitting -- splitting people by their external exposures
15 but you're -- you're looking at whether there is
16 different dose-response curves in people with different
17 genetic phenotypes.

18 Q. Right.

19 Now we're getting ready to take a lunch break
20 we agreed to, but I want to ask you just a few questions
21 on the area before we take a break. And that is, you
22 made reference to Mr. Cowey being exposed to other
23 products other than Liquid Wrench. Do you recall that?

24 A. Yes. He states that he used a variety of
25 different --

1 Q. Paints, thinners, et cetera?

2 A. Yeah.

3 Q. Are you of the opinion that any of those
4 products or any components in those products have caused
5 or contributed -- contributed to cause his MDS?

6 A. I don't have enough compositional information
7 to -- to render -- to draw an opinion on that. I -- I
8 am just stating that he had a number of other materials
9 that if we had more information about -- I mean, unlike
10 Liquid Wrench, there is not even a large discussion
11 of -- about those other materials. The detailed
12 discussion seems to be a little confined to Liquid
13 Wrench. So, I just say that -- state that in the time
14 periods that he was at those exposures, some of those
15 materials would have contained some levels of benzene.

16 Q. But as you sit here today, are you of the
17 opinion that any of those other products contributed to
18 cause his MDS, given the information you have?

19 A. His chromosomal analysis is not of a pattern
20 that's -- that is consistent with a benzene or secondary
21 exposure MDS. I mean, he does have a trisomy 8, but he
22 doesn't have the 8 that is -- is very frequently
23 associated, and that's 5, 7 deletion.

24 Q. But as you sit here today, yes or no, did the
25 other products that he used over his career cause or

1 contribute to cause his MDS?

2 MR. KEMP: Objection, form.

3 A. I don't know.

4 Q. (BY MR. LUBEL) But do you have an opinion
5 that it did?

6 MR. KEMP: Objection to form.

7 A. My opinion is I don't know.

8 Q. (BY MR. LUBEL) What information do you need?

9 A. That means I have no opinion.

10 Q. Okay. What information do you need?

11 A. Com -- at least some composition -- well, I'll
12 give you an illustration. Can we do that? If -- if
13 we're talking about the paints, if we had compositional
14 information on those paints, the -- the nature of use of
15 painting an interior room and the time it takes to do
16 that would have afforded him a much greater opportunity
17 for an exposure to benzene if benzene was in those
18 paints. I have no knowledge that benzene was in those
19 paints; so, I can't offer an opinion whether paint
20 contributed to his -- to anything.

21 Q. But you do know that benzene was a component
22 of paints before the 1980s, correct?

23 A. I mean, I've seen the statements. I have not
24 seen any analytic quantification as to what kinds of
25 paints contained what levels of benzene.

1 Q. You can't say that the other products caused
2 his disease, correct?

3 A. I don't have enough information to make that
4 statement.

5 Q. Have you asked the lawyers that hired you to
6 go assemble that information?

7 A. I asked the lawyers if they had more
8 information on where -- were there -- was there another
9 deposition that dealt with specifics on some of the
10 other materials that he used at the same level of detail
11 and did we know anything about -- with any level of
12 detail the paints that he used, and the answer I
13 received is no, they did not. So, I don't know if they
14 looked, didn't look; but my question is was there more
15 detail, and the answer was no.

16 Q. Based upon scientific probabilities, you can't
17 relate any of the other products that Mr. Cowey used to
18 his disease, correct, with the information you have?

19 A. No. I can just list them as potential sources
20 of exposures that could be related to MDS generally, and
21 I don't think they may have had the role with his MDS
22 specifically because I think his karyotype is not the
23 typical profile of a secondary MDS.

24 Q. And if I hear you correctly, what you're
25 saying is that if you had a list of the products that he

1 used, including paints, as your example, and you knew
2 the components of those products, then you would be in a
3 better position to render an opinion as to whether any
4 of those other products may have contributed to cause
5 his disease.

6 MR. RILEY: Objection, form.

7 Q. (BY MR. LUBEL) Is that true?

8 A. That's true, Part A, yes.

9 Q. What's part B?

10 A. Part B is I would like to have had -- had the
11 same types of questioning as to how frequently he used
12 them, where he used them, and -- and what time periods.

13 Q. And other than that, what else, if anything,
14 would you need?

15 A. Well, I mean, if we had that kind of
16 information, then I -- then I would have gone back and
17 said, you know, "It strikes me that a significant --
18 that this man was getting a significant benzene exposure
19 from painting, and is there any way that someone could,
20 you know, give me some ballpark quantitative estimates?"

21 That would have been my next question. But --

22 Q. And then from there you would render an
23 opinion?

24 A. Well, I probably could have rendered -- I
25 mean, it depends on how much benzene was in the paints

1 and what I knew about its application. I probably could
2 have rendered an opinion without the quantitative
3 material, certainly a differential opinion.

4 Q. What do you mean by that? I've got to stop
5 you there. What do you mean a differential opinion?

6 A. Well, for example, even if we could confirm
7 that he actually used a benzene-containing Liquid
8 Wrench, okay, I -- I'd be able to say that the painting
9 was so dramatically -- I am making this all up. We are
10 all understanding that, right?

11 Differential opinion could be that the nature
12 of the exposure from this source is so dramatic that,
13 you know, the fact that he occasionally smoked and that
14 he occasionally used Liquid Wrench and that he
15 occasionally went to self-service gas stations, none of
16 those contributed materially to his -- to any benzene
17 exposure risk because it was swamped by this class of
18 exposure. That's what I mean, a differential.

19 MR. LUBEL: Okay. Let's take our lunch
20 break, and then we will come back.

21 (Lunch recess, 11:45 a.m. to 12:42 p.m.)

22 (Exhibit 3 marked.)

23 Q. (BY MR. LUBEL) What is Exhibit Number 3 that
24 I have marked?

25 A. Exhibit Number 3 are the materials that I

1 received, less two depositions from Fulbright & Jaworski
2 concerning Mr. Cowey.

3 Q. And do Exhibits Number 1, 2, and 3 constitute
4 your file materials in this case?

5 A. Materials that I used in direct preparation
6 for this. I have more -- more on myelofibrosis and a
7 lot more on benzene and AML.

8 Q. But as far as materials that you used
9 specifically for this case, does it constitute those
10 materials?

11 A. Yes.

12 Q. Can you pull out the study that you brought
13 with you that stands for the proposition that to have a
14 ben -- benzene-induced disease, you need the chromosome
15 defects 5 and 7?

16 A. I may not have brought all the -- cited
17 directly all the cytogenetic articles, but I think
18 that's discussed in at least one of these. Well,
19 actually, you just overstated what I stated earlier.
20 What I stated was secondary MDSs most frequently have,
21 as the key representation, a 5, 7, plus other issues.

22 Q. Well, can a person that has a benzene --
23 benzene-induced MDS not have chromosome defects of 5 and
24 7?

25 A. I don't know. I am not an expert in that

1 area. I am just recounting you my recollection of the
2 literature. I wasn't asked to specifically opine in
3 that area.

4 Well, some of that is discussed in Ciccone. I
5 think it's discussed in Rodella, "Cytogenetics and
6 Occupational Exposures in Acute Nonlymphocytic Leukemia
7 and Myelodysplastic Syndrome."

8 Q. Can you just -- as you're going through them,
9 if you can just highlight those pages and the sections
10 that deal with that.

11 A. All right. That will take a little longer.

12 The second paper is Rodella, 1993; and -- the
13 whole Rodella paper is, frankly, related to that subject
14 matter; but there is a specific table that speaks to the
15 chromosomal aberrations that perhaps summarizes some of
16 it, and that's in Table 2 of Rodella.

17 Q. Are there any of these studies that stand for
18 the proposition that chromosome defects 5 and 7 need to
19 be present in a person for their MDS disease to be
20 related to benzene exposures?

21 A. I've not reviewed the literature from that
22 perspective exclusively. There have been several
23 studies specific to AML looking at long -- large numbers
24 of cases -- I didn't bring those papers. I am not even
25 sure if I have them all -- and they speak to the issue

1 more that -- that it is a frequent, very high percentage
2 marker in cases that are either treatment therapeutic or
3 benzene-related; and that's sort of where the
4 proposition comes from.

5 I think the two I mentioned are the only two
6 that I -- that I have with me here that specifically
7 speak to the frequencies of cytogenic abnormality.

8 Q. Right. Do you mind handing me those?

9 A. Yeah.

10 Q. Oh, I just wanted to focus in on those two
11 studies.

12 A. Well, as I say, it's not the complete
13 literature by any regard, because I wasn't specifically
14 rendering an opinion in that area.

15 I am pulling these out from my set -- from
16 your set.

17 Q. Yes, sir.

18 So, the three studies that you've pulled are
19 "The Myelodysplastic Syndromes" by David P. Steensma, et
20 al?

21 A. Uh-huh.

22 Q. Is that true?

23 A. Yeah.

24 Q. And myelo -- "Myeloid Leukemias and
25 Myelodysplastic Syndromes" by -- how do you pronounce

1 the first name? Ciccone?

2 A. Well, that's how I pronounce it.

3 Q. Okay. And then the third study is by Rodella,
4 and it's "Cytogenetics and Occupational Exposures in
5 Acute Nonlymphocytic Leukemia and MDS" --

6 A. Right.

7 Q. -- correct?

8 And have you highlighted for us those sections
9 that deal with the chromosome abnormalities?

10 A. Yeah. As I say, this is not the best
11 literature set for -- for those statements; but, yes, I
12 did.

13 Q. Okay.

14 A. At least -- I probably didn't in Steensma. I
15 did not highlight anything in Steensma. I mean --

16 Q. All right.

17 A. -- those are sections I found. There may be
18 other sections in those papers.

19 Q. We are going to mark those three studies --

20 A. Can I mark them on your papers?

21 Q. Well, I have got mine as a whole group. I
22 will make sure that the court reporter, or Jeff, copies
23 them and gives them back to you before you take off. Is
24 that fair?

25 A. Yeah. I -- just annoying because I made a

1 whole set for you just to prevent this from taking
2 place.

3 Q. Well, I've had you highlight the set you
4 brought with you.

5 A. Well, on three papers. I can do that in two
6 seconds on those.

7 Q. Would you prefer to do that?

8 A. Yes.

9 Q. Okay. No problem.

10 A. They don't come back as fast --

11 Q. No, I know.

12 A. You imply they do.

13 Q. Of course, we've got the world's greatest
14 court reporter here today. That's the only thing
15 Dillard and I agree on.

16 A. Now I'm getting confused. All right. We will
17 go along with your exercise, only because I don't see
18 one of them in here. So, we will mark these three as --
19 separately, and please return them as expeditiously as
20 possible.

21 Q. Yes, sir.

22 MR. LUBEL: So, we have marked these as
23 Exhibit Number 4; and I am going to go ahead and put a
24 clip on them.

25 (Exhibit 4 marked.)

1 Q. (BY MR. LUBEL) And Exhibit Number 4 are the
2 three studies that you have currently in your possession
3 at this deposition that address the chromosome defect
4 issue; and you have highlighted the most significant
5 areas, correct?

6 A. Yes. I think -- I think they were the most
7 significant areas.

8 Q. The presentation/PowerPoint that we talked
9 about earlier that you did to fundraise --

10 A. Uh-huh.

11 Q. -- how long will it take you to find that?

12 A. Probably by -- by the weekend, end of the
13 week.

14 Q. In your materials --

15 A. And there were two other items that you
16 requested as well, the two E.P.A. documents --

17 Q. Correct.

18 A. -- but I will send those to Mr. Kemp.

19 Q. Great.

20 Now, will you do your best to locate the John
21 Spencer report that you have in your file materials?

22 A. I have got it here.

23 Q. You have got it in front of you?

24 A. Right. Well, you pulled it out.

25 Q. Great.

1 A. Do you want to mark it? This was sent to me
2 from e-mail; so, I know I have a copy.

3 Q. I'll go ahead and mark it as Exhibit Number 5.
4 Is that okay?

5 A. Fine.

6 (Exhibit 5 marked.)

7 Q. (BY MR. LUBEL) Where in his report are the --
8 the monitoring results he did from the spiked, new
9 version of Liquid Wrench?

10 A. The actual results that were measured are in
11 Table 1; and from table -- from the underlying data,
12 there are near-field models of different ventilation
13 rates in Tables 2 and 3. This is monitored results.
14 This is model extrapolation.

15 Q. Now, during the monitoring test that was done,
16 what was the worker doing?

17 A. As I understand it, I don't remember
18 specifically what piece of equipment they -- they had,
19 but they had a piece of equipment sitting on a -- on
20 a -- on a counter that he applied different quantities
21 of Liquid Wrench to; and they -- he had standard monitor
22 near his breathing zone, and they took 15-minute and
23 2-hour samples for benzene analysis.

24 Q. Okay. Where in Mr. Spencer's report, if you
25 can find the -- the sentence that -- that talks about

1 there was a piece of equipment on a counter when they
2 ran the test?

3 A. That's just my recollection from earlier
4 conversations with him, that they had something that
5 they applied -- I don't recall what it was, whether it
6 was a pipe joint or -- or -- it was a piece of metal.
7 It was some -- something metallic that they put the
8 various quantities on and then measured the airborne
9 concentrations.

10 Q. Does the piece of equipment on the counter, is
11 that referenced in the report?

12 A. No. I know that from prior discussions with
13 Mr. Spencer.

14 Q. Now, who was the person that they used to
15 test?

16 A. I don't know the individual's name. I believe
17 it was one of -- I am presuming it was one of his
18 employees or technicians.

19 Q. Does it say in that report?

20 A. No.

21 Q. Now, how close was the person to this piece of
22 equipment on the counter when the Liquid Wrench in the
23 current version was being applied?

24 A. I don't know. I presume that's contained in
25 the videotape. It's specifics that I am -- I'm not

1 aware of. I mean --

2 Q. What does the report tell us about that?

3 A. It doesn't provide specifics about the
4 methodology. At least, this one doesn't. I don't know.

5 Q. What was the breathing rate of the person that
6 they tested?

7 A. I don't presume that they had him running in
8 place; so, I presume it was a sedentary breathing rate,
9 which is probably 18 per minute or something.

10 Q. Does the report tell you that?

11 A. No.

12 Q. What type of monitor were they using?

13 A. Total -- I actually don't know if they used
14 the passive dosimeter or charcoal pump; but it was an
15 approved NIOSH-OSHA procedure that he indicated to me
16 was used.

17 Q. What does the report tell us about that?

18 A. It doesn't speak to the specifics of the -- of
19 the methodology.

20 Q. Does the report tell you the -- where the test
21 was run?

22 A. Where the analysis was run or where the sample
23 was taken?

24 Q. Where the sample was taken.

25 A. No, it does not.

1 Q. Does the report tell you the -- the city that
2 the test was done in?

3 A. No, it does not.

4 Q. Does it tell you who was present when the test
5 was run?

6 A. No, it does not.

7 Q. Does it tell you when the test was done?

8 A. No, it does not.

9 Q. Do you know the answers to any of those
10 questions?

11 MR. KEMP: Objection, form.

12 A. I have already answered the -- the questions
13 individually, so...

14 Q. (BY MR. LUBEL) But from your discussions with
15 Mr. Spencer, do you know the answers to those questions?

16 MR. KEMP: Objection, form.

17 A. Some of them.

18 Q. (BY MR. LUBEL) Which ones?

19 A. These -- I didn't ask him what city it was in,
20 because I didn't think it relevant; but I -- I know it
21 was done in a corner of a -- a warehouse that they had
22 erected a plastic enclosure around. I don't recall the
23 specific dimensions of that enclosure. And I know it
24 had a work space like a table or platform in it for
25 which the -- the material was applied to something that

1 was sitting on that work -- or table. That's the extent
2 of the questions that you asked me that I have specific
3 answers to.

4 Q. And that was information that you got from
5 Mr. Spencer approximately six to eight months ago from a
6 telephone discussion with him, correct?

7 A. I don't -- I said six to eight months. It
8 could have been three months. It could have been eight
9 months. I -- it was some time ago, totally unrelated to
10 Mr. Cowey.

11 Q. What was the temperature in this warehouse
12 when this test was being done?

13 A. I think at one time he told me, but -- but I
14 don't -- I don't recall it now.

15 Q. What does the report tell you about
16 temperature?

17 A. It -- the report just summarizes some previous
18 work that Mr. Spencer did. It's -- it's not the
19 analytical report that would back up the numbers that
20 you're talking about.

21 Q. But does the report tell you what the
22 temperature was when the test was run?

23 A. No, it doesn't.

24 Q. What difference does --

25 A. Well, I don't think it does. I didn't see it.

1 Q. What difference can temperature have on the
2 results?

3 A. Well, the higher the temperature, the faster
4 the -- the material would evaporate.

5 Q. And what difference does that make in a
6 worker's exposures?

7 A. Only wrote -- most relevant to a short-term
8 exposure. I mean, it's -- most industrial hygiene
9 samples are -- you know, are calculated at the ambient
10 temperature of where -- when the sample was -- was
11 taken. So, I mean, this was obviously an indoor, no air
12 movement; so, you know, it was probably 65- to 75-degree
13 range. But that's all the information it tells you, or
14 can surmise from it.

15 Q. What happens if the temperature is 92?

16 A. If the temperature were 92, the 15-minute
17 STEL, which is not reported in this -- in this report,
18 might be higher.

19 Q. Why is that?

20 A. Because you -- you'd get a -- materials don't
21 evaporate instantaneously. They evaporate on -- on a
22 curve. And don't ask me what that curve is or looks
23 like; but, you know, the hotter it would be, the faster
24 evaporation would take place. So, in a short-term
25 measurement, you would tend to get a higher number.

1 Q. So, the hotter it is, you'd expect the
2 exposures to be higher, at least on a short-term basis,
3 correct?

4 A. Well, this -- this is a very specific
5 scenario. I mean, you have no way of predicting what
6 would happen, you know, standing outside doing this or
7 in a -- or in a garage or workroom with a fan blowing.
8 I mean, there is lots of variables that -- that have
9 potential impact. I mean, what I liked about the
10 Table 1 was the fact that it was essentially no air
11 movement in a -- what you would consider a confined
12 space.

13 So, by definition, it would be -- you know, at
14 least at the -- and as I say, the numbers in the table
15 are from the 2-hour sample, which probably would not
16 have changed whether it was 90 degrees or 60 degrees;
17 so...

18 Q. But would you agree on a short-term basis,
19 like a 15-minute interval, that the hotter it is, the
20 higher the exposures would be?

21 A. If the exposure was on a surface that was the
22 same temperature as the air, I am not an expert, but I
23 would believe that to be the case.

24 Q. And you --

25 A. It seems logical to me.

1 Q. -- you said that this test, to your knowledge,
2 was done in a confined space. Does the report tell you
3 that?

4 A. I don't see in this report where it
5 specifically states that, although it does speak to the
6 issue of no air movement, which implies some
7 containment, because there's substantially more air
8 movement here than just in this room than what would
9 have been reflected in these numbers.

10 Q. And what did you say the containment was?

11 A. They created a structure out of, you know,
12 some -- some contained room out of plastic sheeted --
13 sheeting.

14 Q. Did it have a roof on that structure?

15 A. I don't remember. I mean, I want to say yes;
16 but I actually don't remember.

17 Q. Does the report tell you?

18 A. No, it doesn't.

19 Q. And we don't know how big that -- that room
20 is, correct, from the report?

21 A. No, not from the report.

22 Q. Does the report provide any data on what
23 Mr. Cowey would have been exposed to over two hours in a
24 crawl space underneath the house using Liquid Wrench?

25 A. Well, as I said, I don't -- I don't recall

1 that was his common usage; but, no, it doesn't speak to
2 that, in and of itself. But if he's in the crawl space
3 putting on this ounce of material and then leaves and
4 comes back the next day, these numbers are still going
5 to be higher than what he would have been exposed to.

6 Q. I noticed in your report that you had reports
7 from Dr. Irons and Dr. Natelson; is that true?

8 A. Yes.

9 Q. Do your opinions differ from theirs?

10 MR. KEMP: Objection, form.

11 A. I don't believe they do substantively.

12 Q. (BY MR. LUBEL) In general, would it be fair
13 to say that you agree with the opinions given by
14 Dr. Irons and Dr. Natelson, at least as they're
15 contained in their reports?

16 A. I have one question on one of Dr. Irons'
17 numbers that I think he may -- I don't know where he got
18 it from. It's not -- it doesn't match up with the
19 reference he gave for it -- where he speaks about 60 ppm
20 years; but the rest of the material, at least in my
21 reading -- cursory reading of those two reports, I have
22 no argument with their conclusions.

23 Q. In general, would it be fair to say that the
24 conclusions that you've reached in this case are the
25 same as theirs?

1 MR. KEMP: Objection, form.

2 A. I didn't see their -- I didn't see their
3 reports until quite recently; but -- yeah, I think we
4 probably come at it in very different ways, but I think
5 the bottom line is there's -- they're consistent with
6 each other.

7 Q. (BY MR. LUBEL) How do you come at it
8 different ways?

9 A. Well, Dr. Irons is, you know, a molecular
10 biologist with a great deal of both diagnostic and study
11 experience on this specific disease; so, his -- his
12 focus tends to come at more of the various case series
13 that he sees. So, you know, in the molecular genetic
14 level and areas like that, he's much more of an expert
15 than I.

16 I think from the epidemiologic perspective,
17 we're both looking at the same set of literature and,
18 therefore, are consistent; and the same with
19 Dr. Natelson.

20 Dr. Natelson is a, you know, clinical
21 toxicologist; so, you know, his perspective is also
22 slightly different. But, you know, there's only one
23 body of scientific literature that we're all working
24 from. It's just a matter of which pieces you emphasize
25 relative to your disciplinary expertise.

1 Q. Irrespective of what your different
2 perspectives and the pieces that you emphasize, would it
3 be fair to say that you-all's conclusions, in general,
4 are the same, with regard to Mr. Cowey?

5 A. Yeah. I don't think any of us see the
6 possibility of any exposure that would be high enough
7 over sustained periods of time to increase his risk. If
8 that's the basic conclusion, yeah, we -- we agree on
9 that.

10 Q. Can you pull out for us the studies that
11 you've brought with you that reflect that the Bradford
12 Hill criteria require -- requires a relative risk of
13 greater than 2.0 for a study to be statistically
14 significant?

15 A. Well, both those statements are -- one --
16 you're misinterpreting two pieces. The Bradford Hill
17 criteria do not require 2.0. The -- the relative -- the
18 relative risk of standardized mortality ratio of greater
19 than 2.0 is not inherent in the Bradford Hill criteria.
20 Bradford Hill criterion is more generic in that it
21 speaks to strong statistical associations. It does
22 imply that statistical significance of those
23 associations is -- is important.

24 And the issue of what -- whether the relative
25 risk is 2.0, 3.0, 1.5, deals more with the issue of

1 relative risks above 2.0 are less likely, or unlikely,
2 to be impacted by simply -- simple confounded exposures.
3 And most of the 2.0 tends to come from academic
4 textbooks and in litigation-related issues, Superior
5 Court opinions; but 2.0, itself, is not a magic number
6 in either epidemiology or in Bradford Hill.

7 Q. It doesn't come from science, does it?

8 A. Well, it comes from science to the extent that
9 if you read classic textbooks like Monson's Occupational
10 Epidemiology, you'll see a discussion -- in fact, Monson
11 says 3.0 -- that once -- if you have consistent,
12 relative risks of 2.0 or 3.0 or greater that are
13 statistically significant across studies, even if the
14 studies themselves had not -- for example, if you're
15 looking at lung cancer and there isn't a special
16 handling of the smoking issue, it's unlikely that
17 difference in smoking rates, unless they're enormous,
18 are going to impact that relative risk as a confounder.
19 In other words, on a variable that you have not
20 measured.

21 So, there is a large body of epidemiologic
22 methodologic report -- reports and textbooks that --
23 that speak to high relative risks. But that's strength
24 of association. That's just one element in the Bradford
25 Hill criterion.

1 Q. Have you brought with you any authority that
2 stands for the proposition that you need a 2.0 or
3 greater relative risk for SMR?

4 A. No. I just told you that's not, in and of
5 itself, a condition of Bradford Hill. There are --

6 Q. The reason --

7 A. I have such literature, but it's irrelevant to
8 my report, as far as I'm concerned.

9 Q. But you don't have it with you today?

10 A. No.

11 Q. Is it cited in your report at all, that
12 literature?

13 A. No. I think I said I -- the Bradford -- one
14 of Bradford Hill's speeches -- and I believe that --
15 well, that my not be included because it's such a
16 standard piece that you guys all have copies of; so, I
17 tend not to carry all of it around with me -- that talks
18 about strength of association and may give examples, but
19 it doesn't say 2.0 is a -- it doesn't say 2.0 is a magic
20 number. At least, I don't recall it saying that.

21 Q. In your report you say, "Cancer induction is a
22 multistep process whose clinical onset is typically
23 measured in years, if not decades, from the first time
24 of exposure."

25 A. As a general statement, yes.

1 Q. What do you mean by that?

2 A. Well, traditional thinking -- and I think it's
3 still current thinking -- is that you -- it's a
4 multistep process. You need -- if we're -- if we're
5 talking about -- well, it doesn't matter if we're
6 talking about occupational or nonoccupational cancer.
7 You need a step that is an initiating step, some sets of
8 repeated -- the initiating step is typically thought to
9 be a stochastic process.

10 That means repeated -- the body is constantly
11 being bombarded with things that will interact with DNA,
12 through diet, everywhere, constantly. The body normally
13 repairs those, and everything's fine. If you have
14 material that is constantly bombarded -- bombarding a
15 target tissue and causing DNA disruption, at some point
16 in time you could -- you would not get the appropriate
17 level of repair and you have an initiating, potentially
18 carcinogenic effect.

19 What you then need to have happen is --
20 fortunately the body is a redundant system. Many times
21 those would just -- just be cleaned up by other parts of
22 the organ system. But in -- sometimes they don't; and,
23 as a result, you start to get what might be considered
24 clonal expansion. It starts replicating. This defected
25 cell starts making copies of itself that continue to

1 survive.

2 And then you have -- and along the way, there
3 are, depending on which cancer you're talking about,
4 lots of different chemical interactions that are either
5 attempting to control that or promoting that; so, you
6 have an initiation and promotion. And that process
7 takes, depending on the cancer, a fair amount of time;
8 but it's very cancer specific.

9 Q. You're talking about years?

10 A. Yeah, typically. Yeah. I mean, I don't think
11 we're seeing -- certainly for environmental cancers, you
12 know, it's very -- other than cancers that are induced
13 through the use of chemotherapeutic agents, you're
14 typically looking at, you know, a minimum of seven to
15 ten years; and then depending on the cancer, 15 or 20.

16 Q. It's usually decades, is what your report
17 says?

18 A. Yeah, as a general rule; but that's --
19 that's -- that's a motherhood and apple pie statement,
20 not a -- not a -- an AML-specific statement.

21 Q. How -- how does benzene exposure cause MDS?

22 A. I don't think anybody knows exactly how it
23 causes MDS. I mean, benzene is metabolized first in the
24 liver, and then the various metabolites are further
25 metabolized in -- in the -- in the bone marrow by a

1 variety of processes. There are several hypothesized
2 metabolites of benzene that are thought to be key
3 components.

4 The presumption is, is that there is a toxic
5 event, a disruption of the homeostatic process in the
6 bone marrow; and if that process is not abated, you have
7 a -- that cell line is clonally expanded, and you end up
8 with some type of myeloproliferative or
9 lymphoproliferative disorder.

10 For AML, you -- it's a -- and you really
11 should speak to Rich Irons on this subject. He's
12 published a lot more than anyone else. There are a
13 whole bunch of proteins that are in -- in mol -- and
14 very complex molecular chemistry that support that
15 clonal expansion. But you're looking at -- you know, a
16 decade, and not much more over a decade for the whole
17 process to cycle through once you have bone marrow
18 toxicity going on to AML. I mean, you're looking at 11
19 years, outside 15 years, based on what we've seen in
20 defined benzene exposed populations where you can
21 actually make those types of calculations.

22 Q. And is MDS a deadly disease?

23 A. Depending on which specific form you have, it
24 doesn't have a great prognosis.

25 Q. What about the form Mr. Cowey has?

1 A. Yeah, it's -- it typically has survivorship
2 that doesn't go much past five years. I mean --

3 Q. But how does it kill?

4 A. -- I don't --

5 Well, most myelodysplastic or
6 myeloproliferative diseases essentially don't kill you
7 outright. You die of the fact that your body can't
8 defend off other types of infections or disease. So, it
9 kills in a variety of ways because the hematopoietic
10 system isn't operating correctly; and depending on -- on
11 the specifics of that, there is a whole cast -- range of
12 cascading events that can be associated with it.

13 Q. Do you believe that Mr. Cowey's MDS will
14 ultimately kill him?

15 A. You know, I don't -- I've not had enough
16 clinical reports available to me to -- it's not
17 something I would normally do anyway; but -- but I don't
18 even have enough material to -- to know what -- what his
19 recent blood counts look like or what his health status
20 currently is.

21 Q. If his treating doctor, Dr. Youman, says that
22 his life expectancy from his MDS is about six months to
23 18 months, would you agree or disagree with that?

24 A. From his current status?

25 Q. Correct.

1 A. Because, I mean, he's obviously lived longer
2 than that since his diagnosis.

3 No, I would have no reason to dispute that. I
4 have no data to dispute it with.

5 Q. Now, the Bradford Hill criteria require -- or
6 one of the criteria of Bradford Hill is dose response
7 between the agent and the disease; is that true?

8 A. Yes. That's why I said MDS in particular
9 really doesn't, in the formal sense, satisfy the
10 Bradford Hill criteria. We don't have studies that
11 really deal with MDS or any of the subtypes in that
12 regard.

13 Q. But you agree that benzene exposure can lead
14 to MDS?

15 A. Yes.

16 Q. Can you tell us why it is that your affidavit
17 that you gave says that benzene exposure does not cause
18 MDS?

19 MR. KEMP: Objection, form.

20 A. It doesn't say that; so, that's -- I don't
21 know where it is. That's a missreading. It doesn't say
22 that.

23 What I say when I am discussing causality is
24 that -- has been repeated AML -- a causal association
25 between benzene and MDS has not -- has not -- and I use

1 Cole as the reference, what I'm -- what I'm specifically
2 speaking to is general causation under the Bradford Hill
3 I don't dispute, nor -- nor meant to imply, that -- that
4 -- that benzene doesn't cause.

5 Q. (BY MR. LUBEL) But what does the affidavit
6 say in that regard, if you can read that sentence for
7 us?

8 A. Well, it -- essentially literally
9 paraphrasing, I might even be quoting, Phil Cole's 1993
10 formal review of the Hill criteria as they apply to --
11 to a causal association between benzene and MDS has not
12 -- has not, and then I cite Cole.

13 Q. Has not what? Just read that sentence for us.

14 A. (Reading) While a causal relationship has
15 been established between repeated high doses of benzene
16 and AML, a causal association between benzene and MDS
17 has not, in the general sense of the Bradford Hill
18 criteria.

19 And we talked about that earlier. I don't --
20 you -- you don't -- you can't show me a study where we
21 have dose-response data specific for MDS in a group
22 of -- in a set of -- in a study that we would all agree
23 is suitable for risk assessment. That's what that says.

24 Q. That's what you mean? I mean, it doesn't say
25 that.

1 A. Well, that's what it -- that's what it means.

2 I mean, it then goes on to discuss if -- if
3 benzene, indeed, does cause MDS, this is how we have to
4 look at it, by looking at the leukemia literature.

5 Q. You make a statement that "Scientific thinking
6 today believes that bone marrow toxicity is a precursor
7 event necessary for initiation of the AML process."

8 A. Yes. That's -- I do. That -- I think you
9 just read the statement.

10 Q. Do you have a study with you that states that?

11 A. No, but I -- I didn't -- I didn't put a
12 reference on it because I think there's a -- a fairly
13 general consensus that you need some hematotoxicity as
14 part of the initiating process. There are references
15 out there. I don't -- I have not gone back and dragged
16 that material out because most people generally accept
17 that there is some truth to it.

18 Actually, what you would want to look at is
19 some of Snyder's work, Bob Snyder from Rutgers, and
20 probably -- well, I know for sure, although I don't
21 remember the papers per se, I believe Rich Irons has
22 also published in that area.

23 But most -- most of the folks that are doing
24 molecular biology of -- of -- of aplastic anemia and
25 leukemia recognize that there is a high probability, if

1 not a demonstrated requirement, for some toxicity. If
2 there wasn't, there would be no point in doing medical
3 surveillance for benzene-exposed workers.

4 Q. When was there published information available
5 to companies that were interested in learning about the
6 potential health hazards of benzene exposures concerning
7 potential adverse health effects from it?

8 A. Well, you can go quite far back in time. I
9 mean, it's -- the -- you know, the companies would tend
10 to look at National Safety Council records and the
11 American Conference of Governmental Industrial
12 Hygienists, both of which have been reporting on benzene
13 toxicity since the late '40s; and there's literature
14 earlier than that, but when you talk about what
15 companies would have reason to be knowledgeable about,
16 the A.C.G.H. [sic] has a -- you know, put out a T.L.V.,
17 in '46 or so.

18 Q. 1946?

19 A. Sometime in that time range.

20 Q. And by benzene toxicity, are you referring to
21 damage to the blood or blood-forming organs?

22 A. Well, the big concern up until the '70s was
23 aplastic anemia. All the focus of toxicity concern was
24 related to -- to bone marrow suppression and overt
25 aplastic anemia, which is a -- a very serious,

1 frequently fatal, disease.

2 You'll also find -- I mean, at one point in
3 the -- in the late '20s and early '30s, benzene was used
4 to treat leukemia, because if you gave somebody --
5 injected them with a high -- a high dose of benzene, you
6 killed the bone marrow. So, the thought was that if we
7 could induce an aplastic state, we -- we would kill --
8 we'd reset the clock, so to speak. I mean, obviously
9 they died -- many died of aplastic anemia as a
10 consequence of the treatment; but...

11 But, no, the -- the toxicity has been known
12 for a very long time; and that's what -- and if you look
13 at the history of how the T.L.V.s have changed, like --
14 and I believe it was -- it was '40 -- it might have been
15 '49, but '40 -- in that time range -- it was 100, then
16 they lowered it to 50, then they lowered it to 35, and
17 then sat with it at 25 for a very long time.

18 Q. My question is: When you referred to bone
19 marrow toxicity, were you including damage to the
20 blood-forming organs?

21 A. Well, it was mostly -- I mean, that's what
22 aplastic anemia is, damage to the blood-forming organs.
23 I mean, in its most severe state. So -- so, the --
24 so -- so that the -- that early literature was always
25 looking at what -- what are levels that don't induce

1 hematotoxicity, however you want to measure that.

2 But the cancer issue, you know, the leukemia
3 issue, didn't -- didn't really surface until -- I mean,
4 you started seeing -- seeing dribs and drabs in the
5 early '70s. I don't think anyone accepted general
6 causation -- I mean, the general causation that benzene
7 could cause leukemia probably didn't really arise
8 until -- or get broad acceptance until the mid '70s or a
9 little later.

10 Q. Were you aware that the American Petroleum
11 Institute study that was authored in 1948 referenced
12 leukemia?

13 A. The A.P.I. never did a study in 1948.

14 Q. You weren't aware of Dr. Drinker's study that
15 the A.P.I. sponsored?

16 A. Are you talking about his -- his -- his 1948
17 summary of -- of the toxicity of Benzene? That's not a
18 study. That's a review article.

19 Q. Are you familiar with that article?

20 A. Sure.

21 Q. Were you aware that that article discussed
22 leukemia and benzene?

23 A. I am -- I mean, there have been case reports
24 out there; but no -- no one accepted benzene as a cause
25 of leukemia. I mean, if you go to the NIOSH 1974

1 document, it states quite clearly that while the --
2 there have been reports in the literature, the
3 possibility of benzene causing leukemia needs to be
4 further studied.

5 So, I mean, you will find reports in
6 literature of people who had insights, based on either
7 clinical experience or case -- case experience; but I
8 know it wasn't -- I know that was not a generally
9 accepted scientific assessment.

10 Q. Well, my question simply was: Were you aware
11 that the A.P.I. 1948 article referenced benzene and
12 leukemia?

13 A. I know it made some mention of leukemia, but
14 I -- but I believe the primary discussion in the
15 later -- I mean, the document also says 50 ppm of
16 benzene is acceptable. And then I think there is
17 probably a 1960 document of a very similar writing style
18 that says if you take an A.P.I. document that says if
19 you -- written by another outside expert that says if
20 you take 60 milligrams of vitamin C daily, you will
21 protect from benzene toxicity. I mean these are
22 state-of-the-art documents that are not, by our thinking
23 today, correct. So...

24 Q. Well, was it firmly established in the late
25 1940s that benzene exposures could cause blood-forming

1 disorders?

2 A. Toxicity. Always toxicity.

3 Q. They don't refer to the blood?

4 A. Yeah. I said hematotoxicity. Tox -- benzene
5 killed stem cells and bone marrow cells, resulting in
6 disruption of the blood-forming organs. But -- but the
7 disease leukemia was not presumed to be directly caused
8 by benzene. It just wasn't the case. I mean, yes,
9 there were case reports. I am not saying that there
10 weren't individual reports in the literature of
11 individuals with leukemia who had benzene exposure; but
12 there were lots of reports -- case reports of different
13 things that never panned out, ever, either.

14 So, what I am speaking to are, you know,
15 things like the National Safety Council reports of '50
16 and others that are speaking to hematotoxicity; and
17 that's -- and if you read the A.C.G.I.H. documentation,
18 which is probably a pretty good historical account of
19 what a group of folks dedicated to looking at safe
20 exposure limits, if you look at that over time -- and I
21 think that I -- did I include that in this report or
22 not? But that speaks quite clearly to the issue that
23 leukemia is not -- is not even on the radar screen until
24 you're well into the '70s.

25 Q. I am not asking you about leukemia. I am just

1 asking you if in the late '40s it was firmly established
2 that benzene exposures could damage the blood-forming
3 organs?

4 MR. KEMP: Objection, form.

5 A. I've said yes to that several times. That's
6 what aplastic anemia is, and that's what anemia is --
7 toxic anemia is, damage to the blood-forming organs and
8 the results therefrom.

9 Q. (BY MR. LUBEL) Do you have an opinion as to
10 whether Mr. Cowey's smoking caused his MDS?

11 A. Based on my -- what he says in his deposition,
12 his implication is that he did not smoke very much at
13 all; and while smoking is strongly associated with acute
14 myelogenous leukemia, it tends to be in heavy smokers.
15 So, I don't see it as a risk factor for Mr. Cowey.

16 Q. So, smoking didn't cause his MDS?

17 A. If he -- if he's accurately reporting his
18 smoking history, I don't believe so.

19 Q. Did ionizing radiation cause his MDS?

20 A. I attempted to get as much detail on his early
21 treatment of -- for his prostate cancer. Best as I can
22 judge, he did not have any either radionuclide or
23 radiographic or radiation treatments. So, my conclusion
24 is he did not have any exposures that could be
25 associated with it, based on the data I have seen.

1 Q. Did any drugs cause Mr. Cowey's MDS, to your
2 knowledge?

3 A. Well, that's a good question. But I don't
4 have any drug history for Mr. Cowey; so, I can't make
5 this -- I can't draw any opinion on that.

6 Q. Did any chemotherapy treatments cause his MDS?

7 A. Similar to the radiation issue, I could not
8 locate any objective evidence that said he had
9 chemotherapy for treatment of his cancer; so, I can't
10 offer any opinion one way or the other.

11 Q. Did hair dyes cause his MDS?

12 A. I don't think hair dyes are -- have been
13 established causally uniformly, but there is no evidence
14 in the -- in the record to suggest that he dyed his hair
15 regularly; so, I -- I mean, in my discussion of what --
16 what's been thrown out there, I list some of those
17 things; but certainly I don't -- in my conclusionary
18 remarks, I don't reiterate that I think they have any
19 role.

20 Q. Did -- did pesticides cause Mr. Cowey's MDS?

21 A. That's an interesting possibility, because
22 although we have no specific information on what
23 pesticides were being used, I do recall at least in a
24 couple of places that he was present during what would
25 be very heavy exposures through air distribution of

1 pesticides; but I don't have any objective information
2 that would permit me to draw even a partial inference on
3 that, but it's certainly a possibility.

4 Q. As you sit here today, you can't say that
5 pesticides played any role in his MDS?

6 A. No. I don't have enough information.

7 Q. Did farming cause his MDS?

8 A. Once again, similar to the pesticide story.
9 It's -- it's an actively -- it's a research objective
10 that's actively being researched. Right now there is
11 not sufficient information to point to a specific form
12 of farming or specific exposures within farmers; so, I
13 can't, based on current evidence, derive a conclusion
14 there.

15 Q. Will you agree that Mr. Cowey used
16 benzene-containing Liquid Wrench over 26 years?

17 A. No, I would not agree with that. I don't see
18 that in the record being established.

19 Q. If that is established, could that change your
20 opinions one way or the other?

21 A. No, because, actually, my -- my opinion
22 probably goes to the -- goes to the -- even if that were
23 a true scenario, using an ounce of it periodically,
24 particularly under the conditions that Mr. Cowey did,
25 because it sounded like he actually was a responsible

1 user of the products he used, there would be no way he
2 could get -- get an exposure high enough.

3 Q. But you're basing that on John Spencer's
4 exposure data, correct?

5 A. No. No, not at all. I mean, I know enough
6 about Liquid Wrench -- I mean, John Spencer's stuff is
7 sort of like icing on the cake. It's nice to have
8 because it sort of confirms what I already believed.
9 But, you know, we've -- I am very familiar with the
10 material he's using; and I am very familiar on how it
11 was used. It's been used widely in -- you know, inside
12 the petroleum industry for decades. We've -- you know,
13 maintenance workers have been measured for benzene
14 routinely; and Liquid Wrench, although, you know, it's
15 an exposure that we would have, you know, reduced, and
16 did reduce as soon as we were aware of it, there is no
17 evidence that you could get exposures high enough to
18 warrant -- to induce a disease from it.

19 Q. So, you don't need quantitative data on
20 Mr. Cowey's benzene exposures to reach your conclusions,
21 correct?

22 A. I'd very much like to have it. And as we
23 discussed at some length earlier, I can render an
24 opinion on an exclusionary argument that there's just no
25 reasonable expectation that you could reach an exposure

1 threshold. I am not saying that he wasn't exposed to
2 benzene. I am just saying that I can't see any scenario
3 that would have given him, from Liquid Wrench, a high
4 enough exposure.

5 Q. But you didn't need quantitative data to reach
6 that conclusion?

7 A. Well, I'm very familiar with benzene and
8 benzene literature and what types of workers are
9 exposed; and, I mean, I -- I am not a novice about
10 petroleum -- you know, petroleum refinery workers and
11 the opportunities for benzene exposure. I -- I can see
12 in this particular case that this infrequent and, in my
13 estimation, still probably relatively trivial household
14 use just doesn't get there. So, I mean, I don't need a
15 number to -- to confirm it.

16 As I said, if we were closer to the 200 p --
17 if I could envision a scenario that gave -- you know,
18 gave me higher concentrations regularly, then I would
19 probably, you know, be more adamant about wanting a
20 quantitative exposure assessment.

21 Q. How regularly?

22 A. Well, I mean, he's not even -- you know, he's
23 not doing this daily. That's for sure. He's not.
24 There's just no possibility in my mind that -- that this
25 man has been daily undoing rusted -- rusted pipes and

1 bolts. I mean, it just doesn't have prime fascia face
2 validity of any truth to it. I mean, this was not his
3 occupation. He was not a mechanic in a petrochemical
4 plant. And even there, I am not at all convinced that
5 there is even any daily use. So, I mean, it just --
6 just doesn't cut it for me.

7 And, as I say, I mean -- you know, it is nice
8 to have Spencer's stuff because it sort of confirms what
9 I already believed.

10 Q. Have the lawyers for United States Steel
11 Corporation provided you with the Mobil documents where
12 they tested Liquid Wrench and the benzene content of it?

13 A. Oh, yes. As a former Mobil employee, they
14 really made sure I saw those.

15 Q. Did you know the employees that were in those
16 documents?

17 A. Yes.

18 Q. Were they good hands?

19 A. Well, one -- one said -- came from a refinery.
20 I mean, there was the refinery environmental or safety
21 manager -- I don't exactly recall his title -- that
22 did -- that did the original -- or at least sponsored
23 the original analysis somewhere in the refinery. I
24 don't know where it was done. And -- and I know he was
25 a well-meaning guy. He was doing exactly what he was

1 supposed to be doing.

2 The -- the replication of the work, as you see
3 in the other Mobil document, which unfortunately is not
4 an exact replication -- well, the same sample wasn't
5 tested in both labs. One sample was tested down in
6 Beaumont, and then another sample acquired and tested in
7 Princeton -- I think it was Princeton, New Jersey; and
8 that one only showed 7 percent. So, I mean, there --
9 there's still, in my mind, some reasonable belief that
10 there may have been some error in the 30.

11 But fundamentally, even at the 7 percent, you
12 know, if we had suitable alternative, you know,
13 products, that those documents outline very clearly what
14 we were doing in that time period.

15 Q. Do you have any question of the results
16 reached by the Mobil employees that apparently you knew
17 that authored these documents on Liquid Wrench?

18 MR. KEMP: Objection, form.

19 A. Well, they did not -- just to clarify, those
20 gentlemen were manager -- you know, business managers.
21 They did not do the analysis. They were just reporting
22 analysis. So, I don't -- I don't know anything about
23 who did the analysis. I know that we had -- Mobil
24 technical services in Paulsboro and Princeton had superb
25 analytic capability to measure benzene in a mixture.

1 So, the confirmatory -- what I would call confirmatory
2 results are ones that I believe quite strongly. I mean,
3 obviously the sample they had at 7 percent.

4 And the 30, I have no way of knowing if that's
5 an accurate analysis or not. I mean, it doesn't comport
6 with any of the internal documents that -- that either
7 U.S. Steel in what they were sending out in their
8 raffinates would suggest. So, I have no way of knowing
9 if that 30 is correct. I am suspicious that it's not.

10 Q. (BY MR. LUBEL) And have you considered this
11 Mobil --

12 A. But let me also go further --

13 Q. -- data --

14 A. -- and say that if it contained 30, it would
15 have fallen into a whole different labeling requirement.
16 I mean, it would have had probably a skull and
17 crossbones, or something else, on it as well. And I --
18 I just don't think it had 30.

19 MR. KEMP: Objection, nonresponsive.

20 Q. (BY MR. LUBEL) Have you considered the Mobil
21 data in your analysis in this case?

22 A. Well, clearly, I -- in trying to come up with
23 my worst case scenarios, I was willing to accept that 30
24 could be a possibility. I mean, I have no reason --

25 THE REPORTER: Could you excuse me for

1 just a second?

2 (Brief Pause.)

3 (Requested testimony read back.)

4 A. I mean, I have no objective reason to throw
5 the 30 away, only the extent that it -- the analytic
6 chemistry coming out of the -- the raw material going in
7 never seemed to show a number that high. So -- so, I
8 used, in my mind, the 30 as the worst case scenario.
9 And still, you know, even if it were pure benzene, you
10 know, what I would consider what you would use, the,
11 quite honestly, few drops, not ounces, that you use when
12 you apply Liquid Wrench, I mean, that's why they sold it
13 in, what, 4- or 8-ounce containers typically. So, I
14 mean...

15 Q. (BY MR. LUBEL) Did you --

16 A. I think I answered your question.

17 Q. Did you, based upon considering the Mobil
18 data, assume that the most likely benzene content of the
19 Liquid Wrench was 7 percent as opposed to 30 percent?

20 A. Well, remember, the approach I was taking was
21 an exclusionary approach. So, it -- if I couldn't come
22 up with a high exposure scenario at 30, obviously it was
23 going to be lower at 7; and obviously, you know -- so --
24 I mean, the -- the raffinate documents say 1 to 14,
25 average 3. Some other documents said 7. The Mobil

1 documents said 7. I mean, you have quite a range of
2 potentially -- of benzene in the raffinate. That gets
3 cut back in the actual formulation a little bit because
4 there are other oils added in the final product; but in
5 my mind, if I couldn't come up with a scenario that made
6 it particularly hazardous at 30, it didn't matter to me
7 whether it was 7, 6, 5, 29, 28, 24.

8 Q. But you took the Mobil information into
9 account when you assessed your opinions?

10 A. Absolutely, because I would have never
11 considered 30 as a possibility. I mean, I would have
12 gone by the analytic chemistry coming from the supplier.

13 Q. Who were the companies that funded the
14 approximately 26 million-dollar Shanghai consortium
15 studies?

16 A. I don't know who all are in there. I know
17 probably the top five petroleum companies are part of
18 the group -- Mobil, Exxon, Chevron, Shell, BP -- if I
19 had to guess. I don't know exactly. But I don't know
20 what share -- you know, how much of that total comes
21 from them. Well, it -- first of all, it doesn't all
22 come in -- I mean, that's over the whole -- I think
23 they're anticipating it to be an eight- to ten-year
24 study -- set of studies. So, it's not a single check
25 being written today.

1 Q. When you went and made your PowerPoint
2 presentation to the companies, which companies do you
3 remember talking to?

4 A. Well, when I was trying to go ahead -- go --
5 the budget estimates were way more -- were much more
6 modest. I mean, I think we were looking at, you know,
7 outside numbers of 6 to 8 million; and at the time I was
8 trying to get the American Petroleum Institute to put it
9 as a budget line item, in which case every petroleum
10 company in the United States would have paid their
11 dues -- proportional dues share. So, in my era, it --
12 it was really to try -- try to sell it to get a vote at
13 A.P.I. to -- to budget the project.

14 As I understand it, that didn't -- they were
15 unwilling -- I mean, some of this happened after I
16 departed; so, it's hearsay -- A.P.I. elected not to put
17 it as a budget line item, and individual companies were
18 -- I think it became a subscription type of activity.

19 Q. What were you doing for Mobil back during the
20 time period in 1977 when they were looking at the
21 benzene content of Liquid Wrench?

22 A. October -- I came on board October, '77. I
23 was -- which is right when the emergency temporary
24 standard came out. I was part of the medical
25 department. I was the medical department representative

1 to all of the various committees trying to figure out
2 what do we do with this emergency temporary standard.
3 So, I sat in on lots of meetings. My role then was
4 advisory, as -- as an adviser, not as a -- not as a
5 decision maker.

6 Q. Did you participate in Mobil's decision to
7 take Liquid Wrench out of their complexes?

8 A. No, not directly. I mean, I -- I -- I
9 actually don't remember the dates. I am pretty sure I
10 was aware of -- I mean, looking at those documents, I
11 have awareness of the -- of those kinds of issues. So,
12 I probably sat in on some of the discussion around that;
13 but --

14 Q. Do you recall offering any opinions or
15 providing any input in the -- Mobil's decision to not
16 let their workers use Liquid Wrench?

17 A. Well, as I recall, it was a recommendation. I
18 don't actually -- I don't actually recall seeing any
19 formal directives. We have a tendency to put things in
20 directives when -- when it's a "thou shalt" issue. But
21 it was normal practice for us to -- if we became aware
22 of toxicity -- of a toxicity issue, that at -- that we
23 didn't have firsthand knowledge of or could directly do
24 a risk assessment on, if we had substitute materials
25 readily available, they'd swap those out and then sort

1 it out over time. In other words, if it turned out
2 that, you know, this is much ado about nothing, then,
3 you know, sort of back off of the early procedures.

4 Q. Did Mobil continue to use Liquid Wrench after
5 1977 when they were looking at the benzene content of
6 Liquid Wrench?

7 A. I don't know. I don't know operational
8 details at that level routinely. I was at headquarters.

9 Q. You said you sat in on some meetings on it,
10 though.

11 A. Yeah. They would have -- they would have been
12 -- the environmental toxicology and medical department
13 met quite regularly to keep each other informed of
14 what's surfacing on each other's plate; so, we would
15 have been aware of it and -- and would have not weighed
16 in heavily, for -- I mean, you know, sitting in the
17 medical department in New York, if the substance was
18 Liquid Wrench, I'd use it. It's not exactly rocket
19 science from a decision point of view.

20 Q. What did Mobil ultimately decide to do about
21 Liquid Wrench that contained benzene?

22 A. I don't know. I think I already answered that
23 question. At the operational level, I don't know what
24 the -- what the final resolution was.

25 Q. When you refer to "alkylating agents," are you

1 referring to chemotherapy treatments?

2 A. Generally, yeah, in the context we were
3 discussing here.

4 Q. And you're not saying that any alkylating --

5 A. No.

6 Q. -- agents caused his MDS, are you?

7 A. No. No.

8 Q. And then there is another word that you use in
9 your report, and I can't pronounce it. It's
10 epipodophyllotoxins, or something like that.

11 A. Yeah, I found that quite interesting. That --
12 I did not spend much time trying to go to primary
13 references, but that comes out of Cancer Society
14 summaries of the literature. It was something that --
15 it's on my list of interesting things to find out more
16 about, but --

17 Q. What are they?

18 A. I don't know. That's why I wanted to spend
19 more time and find out.

20 Q. How do you pronounce it?

21 A. Oh, I don't know.

22 Q. Nobody knows?

23 A. Nobody knows. Nobody knows.

24 Q. Well, you're not taking the position that that
25 caused Mr. Cowey's MDS, are you?

1 A. No. I mean, I routinely, in my reports,
2 list -- list materials of that type that -- that are
3 recognized by others as having strong, if not causally,
4 associations; and the reason I do that is sometimes
5 subs -- as much to inform other parties because, as
6 they're going through additional materials or new
7 discovery, you know, if you never mentioned it, nobody
8 will know that it's important; so...

9 If I thought it was important specific to
10 Mr. Cowey, it would be -- my report style would be that
11 it would be rediscussed in my conclusionary remarks as
12 being potentially associated.

13 Q. Have you -- have you told us about all of your
14 opinions regarding Mr. Cowey's case?

15 A. Yes, I believe.

16 MR. KEMP: Objection, form.

17 Q. (BY MR. LUBEL) Does your report -- between
18 your report and what you've told us today cover your
19 opinions in this case?

20 A. Unless I am given additional information, I
21 think it summarizes, certainly, the current status of my
22 thinking.

23 MR. LUBEL: Can we take a short break?

24 And I think I am getting close.

25 MR. KEMP: Yes, sir.

1 (A break was taken, 1:54 to 2:00 p.m.)

2 (Exhibit 6 marked.)

3 Q. (BY MR. LUBEL) Can you identify Exhibit 6 for
4 us?

5 A. Exhibit 6 is correspondence between
6 Fulbright & Jaworski and myself, and it is -- it's
7 complete except for, I guess, one bill that I sent in
8 that doesn't seem to be here; but that's --

9 Q. What was that bill for?

10 A. For writing the report.

11 Q. How much did that cost?

12 A. I actually don't remember. I don't know, 8,
13 \$10,000, something like that.

14 Q. Can you go ahead and provide to Mr. Kemp all
15 of your invoices?

16 A. Well, he has the invoice. He hasn't paid it.

17 MR. KEMP: We paid the retainer, and we
18 take some time to pay the invoice.

19 Q. (BY MR. LUBEL) Who hired you in this case?

20 MR. KEMP: We're good for it.

21 A. Mr. Kemp. Mr. Kemp, I believe, made the
22 initial contact.

23 Q. (BY MR. LUBEL) On behalf of United States
24 Steel Corporation?

25 A. Yes, I believe that's correct. I normally

1 don't pay attention to those kind of things.

2 Q. In this case, have you talked to anybody other
3 than Mr. Kemp?

4 A. Yes. I -- I had a few conversations with
5 Mr. Dillard.

6 Q. Anybody else?

7 A. Mr. Epps. Carl Epps.

8 Q. What does Mr. Epps have to do with it?

9 A. I believe he is associated with either
10 Radiator or U.S. Steel. I mean, I am -- I actually am
11 not 100 percent sure at times.

12 Q. When did you learn that you were also hired as
13 an expert for Radiator Specialty Company?

14 A. I don't -- I get hired by a law firm; and,
15 quite frankly, I am not -- I don't pay a whole heck of a
16 lot of attention to who all else -- who all is paying
17 the tab, because I am looking at risk assessments and
18 evaluation of the epidemiology on a particular case.

19 So, when you ask me a date like that, it makes it sound
20 like that was sometime after Mr. Kemp retained me.

21 Q. Were you aware that Radiator Specialty Company
22 is paying half your bill in this case?

23 A. Now that you say it, I think I probably am;
24 but I don't recall if I got two checks on the retainer
25 or not.

1 Q. Well, irrespective of who sent you the first
2 check, as you sit here today, do you understand that
3 you're an expert for both United States Steel
4 Corporation and Radiator Specialty Company?

5 A. As it applies to the epidemiology we're
6 talking about here? Yes, I guess so.

7 Q. Well, the reason I ask you this is because in
8 the correspondence between you and United States Steel
9 Corporation's attorneys, there's a reference to half of
10 your bill will be paid by counsel for Defendant Radiator
11 Specialty.

12 A. Then, I don't -- I just don't remember that.
13 But -- I mean, I don't know -- to me, all that means is
14 that they're paying half the bill; so...

15 Q. Well, have you talked to Mr. Riley at all?

16 A. No. I mean, spoken with Mr. Riley regarding
17 this case?

18 Q. Right.

19 A. This is the first time I met Mr. Riley.
20 Today.

21 Q. Was that unusual for you to receive half your
22 payment from a company when you hadn't met their lawyer
23 or talked to them?

24 A. No, not at all. I mean, there are -- I don't
25 need, nor do I particularly want to know, how you guys

1 run your business. But there have been occasions where
2 there's either been, quote/unquote, joint defense funds,
3 and there have been cases -- in which case I get a check
4 written from some artificially created fund; and there
5 are times when I send in a bill and I get six -- you
6 know, one-sixth checks coming back and in no particular
7 logical time sequence to cover that invoice.

8 So, while it might be important to you, it's
9 not an important issue to me. I mean, I am retained by
10 a law firm to evaluate a case. How they pay me is an
11 issue for them, not for me.

12 Q. So, nobody from Radiator Specialty Company, to
13 your knowledge, has contacted you to discuss this case
14 as it applies to them?

15 A. Well, in the course of conversations with
16 Mr. Epps, I mean, I think, you know, we have covered a
17 lot of Radiator documents; but -- and, you know, that --
18 this is not the first, quote/unquote, Liquid
19 Wrench-related case I have ever worked on. But I don't
20 make a big -- I don't distinguish -- no, I -- to my
21 knowledge, I have not spoken with anybody specifically
22 from -- from Radiator.

23 Q. But you have got a written contract with Jeff
24 Kemp at Fulbright & Jaworski, the attorney for United
25 States Steel Corporation, specifically for the Cowey

1 case, correct?

2 A. Well, you -- I mean, I now know that it is
3 for -- for United States Steel Company; but if you will
4 notice, my agreement is between me and Fulbright &
5 Jaworski.

6 Q. Right.

7 Why don't you have an agreement with Radiator
8 Specialty Company?

9 A. Because they've agreed to -- they -- they've
10 retained me to look at this case, and how they get their
11 money back is not a concern to me.

12 Q. But they don't say they're going to get their
13 money back from Radiator. They say that half the money
14 is going to --

15 A. Well, that's what he's telling me; but the
16 agreement that they signed says that they're going to
17 pay me. Okay?

18 Q. Who is "they"?

19 A. Well --

20 Q. Fulbright?

21 A. -- Fulbright & Jaworski is going to see that I
22 get paid at this rate for my work on this case. I don't
23 care if they solicit on the corner for people who want
24 to contribute to the payment fund.

25 Q. Well, where is the -- your check --

1 A. You're making me want to know a lot more about
2 this than I historically ever wanted to know, but --

3 Q. Where is your -- the correspondence that
4 reflects checks you've received for the other half of
5 the monies from counsel for Radiator Specialty Company?

6 A. I don't even recall if I got two checks. I
7 don't know that for a fact. Oh, I guess -- I guess I
8 must have, if that's what he said. So, I must have
9 received a half check...

10 I didn't bring any of this material -- I mean,
11 other than a couple e-mails that I actually had in my
12 possession, I did not bring my billing records for this
13 case. So, I -- my set of records is not here; so, I
14 must have something back in the office that has -- I
15 mean, I don't even know whether there was correspondence
16 attached to it. I do recall that the retainer has been
17 paid; so, I presume that I have received two checks for
18 \$1400. But that's sort of the extent of my interest in
19 the -- in the matter.

20 Q. Well, as you sit here today, do you know
21 whether or not you have received any money from Radiator
22 Specialty Company or their lawyers for this case?

23 A. I know the retainer has been paid; so, I have
24 obviously received two checks for \$1400. I don't recall
25 whether -- I mean, very often I get a check that just

1 hasn't -- has a -- a name on it, has no correspondence;
2 so I am -- I am not trying to be evasive. I just -- I
3 just don't spend any time on that subject matter.

4 Q. Well, who owns Health Risk Services,
5 Incorporated?

6 A. Health Risk Sciences.

7 Q. Who owns that?

8 A. I do.

9 Q. How long have you owned it?

10 A. Since its -- since it was created in 1999.

11 Q. Are there any co-owners?

12 A. No. Well, I don't know if my wife -- I don't
13 remember how --

14 Q. Other than your spouse?

15 A. I don't know how that works, but --

16 Q. Other than your spouse?

17 A. No.

18 Q. And what is the business of that company?

19 A. Health Risk Sciences is a -- a -- specializes
20 in the conduct of epidemiology and integrating
21 epidemiology with industrial hygiene toxicology in
22 conducting health risk assessments; so, we do a -- a
23 variety of things. I mean, some litigation-related
24 work, some cluster investigation, some epidemiology,
25 some general consulting.

1 Q. And what percentage of your work is done on
2 behalf of plaintiffs?

3 A. That varies dramatically year to year. This
4 year -- oh, plaintiff -- you said plaintiffs?

5 Q. I did.

6 A. Good catch. I have not been retained by a
7 plaintiff. For some reason, they don't knock on my
8 door.

9 Q. So, would it be fair to say that 100 percent
10 of your income, at least in the litigation context,
11 is -- comes from defendants?

12 A. In the litigation context, to date, that's
13 correct.

14 Q. And what percentage of the total income that
15 your company makes comes from oil companies, chemical
16 companies, industry of that sort?

17 A. That's what I was starting to answer. I mean,
18 it varies dramatically year to year. Probably 80 to 90
19 percent comes from the petrochemical industry; and then
20 there's a -- a mix of trade associations. I have
21 occasionally done some work for health departments. I
22 mean, it's a -- the rest is a mix of different --
23 different groups.

24 Q. Which trade associations?

25 A. The only trade association I am currently

1 working for is the asphalt industry. As -- as --
2 Asphalt Institute, I guess it's called.

3 Q. Have you done any work for the American
4 Petroleum Institute?

5 A. Not since I retired.

6 Q. How about the Chemical Manufacturers
7 Association?

8 A. Not since I retired.

9 Q. What are you doing for the Asphalt Institute
10 or organization?

11 A. They're interested in conducting a large U.S.
12 epidemiology study, and they have -- and it will be done
13 in conjunction with the American Pavers Association,
14 which is another association of the Pavers, as opposed
15 to the manufacturer; and they've asked me to sit on --
16 in on all their planning meetings to help guide them to
17 make sure that whatever they do turns out to be a good
18 piece of science.

19 Q. What are they trying to learn from this study?

20 A. There was a -- some preliminary reports coming
21 out of Europe where coal-tar pitch is -- is -- was a --
22 a high component of asphalt for many years, suggesting
23 that there may be a lung cancer excess in pavers in
24 Europe.

25 And so, they have spent a fair amount of time

1 on toxicity and on related chemistry of the U.S. paving
2 industry and are looking now to reconstruct historical
3 chemistry and formulation information. We're working
4 with some folks from Harvard and a few other groups to
5 look at the feasibility of could we do a -- in this
6 country could you do a good paving study -- a study of
7 asphalt pavers.

8 Q. Why isn't the study from Europe valid for the
9 United States?

10 A. Because the formulation history, at least it's
11 commonly viewed in the U.S., used much less coal-tar;
12 and there is common agreement that the coal-tar pitch is
13 the car -- the high carcinogenic component in asphalt.
14 So, if -- if the U.S. chemistry history is correct,
15 which you could confirm in an epidemiology study, you
16 would not expect to see even a hint of lung -- I mean,
17 there is still ongoing studies in Europe; so, I mean
18 it's not that it's been established yet, but --

19 Q. What about bladder and kidney cancers?

20 A. No, not really. The -- World Health
21 Organization/IARC just released a five-country cohort
22 study, and the only questionable cancer site is -- is
23 lung. Kidney and bladder are fine. The -- you don't
24 get enough -- the PNA exposures just aren't high enough.
25 I mean, it's not like coal -- you know, it's not like

1 coke oven workers or any of those areas where you might
2 expect to see those high exposures.

3 Q. And would benzene -- benzo(a)pyrene be the
4 component of concern in the coal-tar pitch?

5 A. It's probably a leading component. I mean,
6 there is a whole range of PAHs and a few nitroso-PA
7 compounds that have similar action. Coal-tar pitch is a
8 pretty dirty dimish. They typically use benzo(a)pyrene
9 as an indicator marker for it. But, yeah, it certainly
10 is at the top of the list; but it's not the only PAH.

11 Q. Where did you retire from?

12 A. I retired from Mobil when Exxon acquired Mobil
13 Corporation.

14 Q. And why is that?

15 A. Well, Mobil had a -- a retirement plan that
16 you could actually retire at age 50. At the time I was
17 52. Because Exxon was taking over Mobil, Mobil had
18 adopted a poison pill for its executives; and I was -- I
19 was director of health risk assessment at that point,
20 and I was high enough to be able to get a several --
21 several-year severance on top of an early retirement, on
22 top of all the options being fully -- fully vested. So,
23 when you sat down and ran the numbers out, unless I
24 really wanted to work for Exxon, there was no good
25 reason to stay.

1 Q. Was that in 1999 that you retired?

2 A. I actually stayed on into the early part of
3 2000 to do what we call "transition" work. We have a
4 lot of confidential epidemiology medical records that
5 had to be transitioned legally -- you know, I had to --
6 I had signed confidential documents for various state
7 and health departments; so, we had to transition all
8 that stuff so that I could transfer those documents,
9 because I sure as heck didn't want to take them home.

10 Q. So, you started your company, Health -- Health
11 Risk Sciences, Incorporated, before you left Mobil?

12 A. Yeah, because I had -- actually didn't know
13 how long they -- how long the transition was going to
14 go. I mean, it didn't -- it didn't -- when we say
15 created the company, I mean, it was a set of legal
16 activities. It was not the -- that there was a shingle
17 hung on the door.

18 Q. And have you had a stable group of
19 petrochemical companies that have been clients of yours
20 since you started?

21 A. Well, I mean, you can see from my C.V., I'm
22 widely published in certain areas of petroleum-related
23 exposures; so, companies come to me when they have --
24 think they might have a problem. I mean, I have
25 published on how to look at cluster investigations, a

1 variety -- wide variety of stuff. So, if they think
2 there might be a problem, they will come to me and ask
3 for advice.

4 I have done some work in Kazakhstan in 2000
5 through 2002, looking at environmental epidemiology
6 where there -- they had set aside a large amount of
7 money to build infrastructure in some of these rural
8 Kazak villages and needed help to try to figure out
9 how -- what was the best place to put the money kind of
10 thing. So, it's quite a variety.

11 They tend to be petrochemical companies, but
12 they're not -- it's not like -- I am not on a retainer
13 for any company. They come to me on not as -- on an ad
14 hoc basis when they have a problem.

15 MR. LUBEL: That's all I have. Thank you
16 for your time.

17 THE WITNESS: Thank you.

18 MR. KEMP: I'll reserve my questions
19 until the time of trial.

20 MR. RILEY: Same.

21

22

23

24

25

1	CHANGES AND SIGNATURE		
2	PAGELINE	CHANGE	REASON
3			
4			
5			
6			
7			
8			
9			
10			
11			
12			
13			
14			
15			
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1 I, GERHARD K. RAABE, Dr.P.H., F.A.C.E., have
 2 read the foregoing deposition and hereby affix my
 3 signature that same is true and correct, except as noted
 4 above.
 5

6 _____
 7 GERHARD K. RAABE, Dr.P.H., F.A.C.E.

8 THE STATE OF _____)
 9 COUNTY OF _____)

10 Before me, _____, on
 11 this day personally appeared GERHARD K. RAABE, Dr.P.H.,
 12 F.A.C.E., known to me (or proved to me under oath or
 13 through _____) (description of
 14 identity card or other document) to be the person whose
 15 name is subscribed to the foregoing instrument and
 16 acknowledged to me that they executed the same for the
 17 purposes and consideration therein expressed.

18 Given under my hand and seal of office this
 19 _____ day of _____, _____.

20 _____
 21 NOTARY PUBLIC IN AND FOR
 22 THE STATE OF _____
 23 COMMISSION EXPIRES: _____
 24
 25

1 CAUSE NO. A-167,693

2 JAMES COWEY AND RUTH) IN THE DISTRICT COURT
COWEY)

3)
PLAINTIFFS,)

4)
VS.) JEFFERSON COUNTY, TEXAS

5)
RADIATOR SPECIALTY)

6 COMPANY, ET AL)

7 DEFENDANTS.)

) 58TH JUDICIAL DISTRICT

8
9 REPORTER'S CERTIFICATION

DEPOSITION OF GERHARD K. RAABE, Dr.P.H., F.A.C.E.

10 DECEMBER 2, 2003

11
12 I, Kathy Miller, Certified Shorthand Reporter in
13 and for the State of Texas, hereby certify to the
14 following:

15 That the witness, GERHARD K. RAABE, Dr.P.H.,
16 F.A.C.E., was duly sworn by the officer and that the
17 transcript of the oral deposition is a true record of
18 the testimony given by the witness;

19 That the deposition transcript was submitted on
20 _____ to the witness or to the attorney
21 for the witness for examination, signature and return to
22 me by _____;

23 That the amount of time used by each party at the
24 deposition is as follows:

25 MR. LANCE LUBEL.....04 HOURS:17 MINUTE(S)

1 That pursuant to information given to the
2 deposition officer at the time said testimony was taken,
3 the following includes counsel for all parties of
4 record:

5
6 FOR THE PLAINTIFFS:

7 MR. LANCE LUBEL
8 HEARD, ROBINS, CLOUD, LUBEL & GREENWOOD
9 910 TRAVIS STREET, SUITE 2020
10 HOUSTON, TEXAS 77002

11
12 FOR THE DEFENDANTS UNITED STATES STEEL CORPORATION,
13 ARISTECH CHEMICAL CORPORATION AND USX CORPORATION:

14 MR. JEFFREY W. KEMP
15 FULBRIGHT & JAWORSKI
16 2200 ROSS AVENUE, SUITE 2800
17 DALLAS, TEXAS 75201

18
19 FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:

20 MR. JAMES M. RILEY
21 COATS ROSE
22 1001 FANNIN, SUITE 800
23 HOUSTON, TEXAS 77002-6707

24 I further certify that I am neither counsel for,
25 related to, nor employed by any of the parties or
attorneys in the action in which this proceeding was
taken, and further that I am not financially or
otherwise interested in the outcome of the action.

1 Further certification requirements pursuant to Rule
2 203 of TRCP will be certified to after they have
3 occurred.

4 Certified to by me this 5th of December, 2003.

5
6
7 _____
Kathy Miller

8 Texas CSR No. 739

Expiration Date: 12/31/04

9
Nell McCallum & Associates

10 Firm Registration No. 243
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

