

1 IN THE SUPERIOR COURT OF THE STATE OF ARIZONA

2 IN AND FOR THE COUNTY OF MARICOPA

3 RAUL ZENDEJAS and)

ARACELI ZENDEJAS,)

4 Plaintiffs)

)

5 vs.)

) NO. CV-2007-005399

6 SHELL OIL COMPANY, SHELL)

CHEMICAL LP, Individually and)

7 as Successor-in-interest to)

SHELL CHEMICAL CORPORATION;)

8 CONOCOPHILLIPS COMPANY, VON)

VERDE CITRUS PACKING HOUSE,)

9 INC., an Arizona corporation;))

VON VERDE HARVESTING, INC., a)

10 dissolved Arizona)

corporation; and VON VERDE)

11 CITRUS GROWERS COOPERATIVE,)

INC., a dissolved Arizona)

12 Corporation,)

Defendants.)

13 ORAL VIDEOTAPED DEPOSITION

14 ETHAN NATELSON, M.D.

15 September 25, 2009

16 ORAL VIDEOTAPED DEPOSITION OF ETHAN NATELSON, M.D.,

17 produced as a witness at the instance of the Plaintiffs

18 and duly sworn, was taken in the above-styled and

19 numbered cause on September 25, 2009, from 10:20 a.m. to

20 3:48 p.m., before Kelly Hanna, Certified Shorthand

21 Reporter, in and for the State of Texas, reported by

22 computerized stenotype machine at the offices of Haynes

23 and Boone, LLP, One Houston Center, 1221 McKinney

1 Street, Suite 2100, Houston, Texas, pursuant to the
2 Texas Rules of Civil Procedure and the provisions stated
3 on the record or attached hereto.

APPEARANCES

FOR PLAINTIFFS:

Mr. Keith E. Patton
Shrader & Associates
3900 Essex Lane
Suite 390
Houston, Texas 77027
Telephone: 713.782.0000
Fax: 713.571.9605
E-mail: keith@shraderlaw.com

FOR DEFENDANTS:

Mr. Stan Perry
Haynes and Boone, LLP
One Houston Center
1221 McKinney Street, Suite 2100
Houston, Texas 77010-2007
Telephone: 713.547.2039
Fax: 713.236.5455
E-mail: stan.petty@haynesboone.com

ALSO PRESENT:

Kelly Hanna, CSR, RPR, CRR, CMRS, CLR
Dory Michael, Videographer
Justin Shrader

INDEX

PAGE

ETHAN NATELSON, M.D.

Examination by Mr. Patton7

Signature Page208

Court Reporter's Certificate209

EXHIBITS

EXHIBIT

DESCRIPTION

PAGE

No. 1	Report by Ethan A. Natelson, M.D., dated 9/1/08	116
-------	--	-----

No. 2	Curriculum Vitae - Dr. Natelson	187
-------	---------------------------------	-----

No. 3	Notice of Deposition	187
-------	----------------------	-----

No. 4	List of Charges - Dr. Natelson	187
-------	--------------------------------	-----

No. 5	Letter dated 12/20/06 (Carrol to Friedman)	108
-------	---	-----

No. 6	State Fund, Arizona, Workers' Compensation Records	199
-------	---	-----

No. 7	List of Testimony	188
-------	-------------------	-----

No. 8	Handwritten Notes	11
-------	-------------------	----

No. 9	Benezen and the Dose-Related Incidence of Hematologic Neoplasms in China (Hayes, et al.)	129
-------	---	-----

No. 10	Occupational History and Exposure and the Risk of Adult Leukemia in Shanghai (Adegoke, et al.)	133
--------	---	-----

1		EXHIBITS (cont.)	
2	EXHIBIT	DESCRIPTION	PAGE
3	No. 11	A 56-Year Mortality Follow-Up of Texas Petroleum Refinery and Chemical Employees, 1948-2003 (Tsai, et al.)	137
4			
5	No. 12	Genotoxic Effects in Workers Exposed to Low Levels of Benzene from Gasoline (Nilsson, et al.)	141
6			
7	No. 13	Leukemia in Relation to Occupational Exposures to Benzene and Other Agents: A Case-Control Study Nested in a Cohort of Gas and Electric Utility Workers (Guenel, et al.)	145
8			
9	No. 14	Health Effects of Gasoline Exposure. II. Mortality Patterns of Distribution Workers in the United States (Wong, et al.)	148
10			
11	No. 15	Chemical Exposures in the Synthetic Rubber Industry and Lymphohematopoietic Cancer Mortality (Graff, et al.)	148
12			
13	No. 16	Characteristics and Outcome of Patients with Philadelphia Chromosome Negative, bcr/able Negative Chronic Myelogenous Leukemia (Onida, et al.)	151
14			
15	No. 17	The myelodysplastic/myeloproliferat ive neoplasms: myeloproliferative diseases with dysplastic features (Orazi, et al.)	152
16			
17			
18			
19			
20			
21			
22			
23			
24			
25			

1		EXHIBITS (cont.)	
2	EXHIBIT	DESCRIPTION	PAGE
3	No. 18	Dangerous and Cancer-Causing	160
4		Properties of Products and	
5		Chemicals in the Oil Refining	
6		and Petrochemical Industries.	
7		Part XXX Causal Relationship	
8		between Chronic Myelogenous	
9		Leukemia and Benzene-Containing	
10		Solvents (Mehlman)	
11	No. 19	Risk factors of myelodysplastic	176
12		syndromes: a case-control	
13		study (Strom, et al.)	
14	No. 20	API Toxicological Review	179
15		Benzene September 1948	
16	No. 21	Environmental Principles	180
17	No. 22	American Petroleum Institute	192
18		Health and Environmental	
19		Sciences Department Exposure	
20		Assessment and Control Task	
21		Force dated 11/4/91 Meeting	
22		Minutes	
23	No. 23	Myelodysplastic Syndromes	194
24		(Deeg, et al.)	
25	No. 24	Risk of Cancer as a Result of	195
		Community Exposure to Gasoline	
		Vapors (Patel, et al.)	
	No. 25	Beyond Chronic Myelogenous	204
		Leukemia (Cortes, et al.)	
	No. 26	Occupational History and	204
		Exposure and the Risk of Adult	
		Leukemia in Shanghai (Adegoke,	
		et al.)	
	No. 27	Miscellaneous Studies	204

1 (Exhibit Nos. 1-9 marked)

2 THE VIDEOGRAPHER: Now beginning this
3 deposition. The date is September 25th, 2009.
4 Approximate time is 10:20. We're now on the video
5 record.

6 ETHAN NATELSON, M.D.,
7 having been first duly sworn, testified as follows:

8 EXAMINATION

9 Q. (BY MR. PATTON) Please introduce yourself for
10 the record.

11 A. My name is Ethan Natelson.

12 Q. Dr. Natelson, do you understand my name is
13 Keith Patton, and I represent Raul Zendejas in a case
14 pending in Phoenix, Arizona? Do you understand that?

15 A. Yes.

16 Q. And do you understand that even though we're in
17 a conference room today in Houston your testimony is
18 just as if it were in front of a judge and a jury?

19 Do you understand that?

20 A. Yes.

21 Q. You've given depositions before, correct?

22 A. Yes.

23 Q. And you have had the opportunity to meet with
24 Shell's lawyer before we started today, correct?

25 A. Correct.

1 Q. And what kind of doctor are you?

2 A. I'm a hematologist.

3 Q. And did Shell hire you to give you -- or to
4 give opinions in this case?

5 A. Yes.

6 Q. And what did they ask you -- what did they want
7 from you as an expert in terms of your opinions?

8 A. Well, I believe I was contacted in 2007 by
9 Mr. Perry and he said something to the effect that it
10 was a patient with an unusual diagnosis and he wanted me
11 to review the case and comment about the allegations.

12 Q. And was there any certain conclusion that you
13 were supposed to reach, as opposed to just commenting on
14 the -- on the disease or the allegations?

15 A. No, I wasn't told what to conclude.

16 Q. Okay. You have formed opinions in this case;
17 and you put them in a report; is that right?

18 A. Yes.

19 Q. Okay. We're going to go through that in some
20 more detail here this morning. But what year did you
21 start practicing medicine?

22 A. Well, I finished my internship in 1966. So,
23 theoretically, I became a real doctor in -- in 1966.
24 And that's when I was seeing patients as part of a
25 residency program.

1 I then went into the service in around
2 1970 for two years; and when I came back from the
3 service, I would say I was in full-time practice. That
4 would be around, let's say, 1970, '71, somewhere in
5 there.

6 Q. You've been practicing medicine over 40 years?

7 A. Yes.

8 Q. And do you currently practice here in the
9 Houston area?

10 A. Yes.

11 Q. Where at in the Houston area?

12 A. The Methodist Hospital.

13 Q. Okay. And that's here in the Texas Gulf Coast,
14 correct?

15 A. In the Texas Medical Center, yes.

16 Q. Okay. Have you ever diagnosed anyone with
17 myeloid leukemia?

18 A. Yes.

19 Q. Okay. Have you ever treated anyone with
20 myeloid leukemia?

21 A. Yes.

22 Q. Have you ever diagnosed anyone with atypical
23 CML or MDS or AML?

24 A. Yes.

25 Q. Do you regular -- regularly see patients who

1 have those forms of leukemia?

2 A. Yes.

3 Q. Okay. Sir, you're familiar with benzene,
4 correct?

5 A. Yes.

6 Q. And is benzene capable of causing any diseases?

7 MR. PERRY: Object to form.

8 A. Yes.

9 Q. (BY MR. PATTON) And what diseases does it
10 cause? What body parts does it affect?

11 A. Well, from the hematologic standpoint, benzene
12 is known to cause aplastic anemia, which is bone marrow
13 failure. It may cause certain forms of myelodysplasia,
14 which are various forms of marrow damage where the bone
15 marrow isn't necessarily aplastic; and it may cause
16 acute myeloid leukemia.

17 Q. Benzene's target organ, so to speak, is the
18 bone marrow. Is that a fair statement?

19 A. Yes.

20 Q. I mean, if benzene is going to make someone
21 sick, it's going to hit their fingernails or their nose
22 or their eyes. It's going to hit their bone marrow,
23 right?

24 A. Well, acute large exposures might have other
25 effects; but in terms of the chronic, long-term effects,

1 it would be hematologic.

2 Q. Okay. And is -- is acute -- or I'm sorry --
3 atypical CML, is that a myelogenous disease?

4 A. It is a disease of the myeloid cells, yes.

5 Q. Okay. Is myelodysplastic syndrome, or MDS, is
6 that a disease of the myeloid cells?

7 A. Yes.

8 Q. AMS, is that a disease of the myeloid cells?

9 A. Yes.

10 Q. CML, is that a disease of the myeloid cells?

11 A. Yes.

12 Q. Okay. Doctor, I'm going to hand you what we've
13 marked as Exhibit 8; and what I would like to do is make
14 a chart of the -- of the myeloid leukemias, if we -- if
15 we could. If you could write "Myeloid Leukemias" at the
16 top.

17 A. (Witness complies.)

18 Q. And, again, those are diseases where the
19 myeloid cells are affected, correct?

20 A. Yes.

21 Q. It's a leukemia or a leukemia-type condition.
22 Fair statement?

23 A. Yes.

24 Q. Okay. Is AML a myeloid leukemia?

25 A. Yes.

1 Q. Okay. Can we write that on there?

2 A. (Witness complies.)

3 Q. MDS, is that also a myeloid disease or a
4 myeloid leukemia?

5 A. It isn't a leukemia. It's a -- it's a myeloid
6 illness.

7 Q. Okay. Can we write "MDS" on there and put
8 "myeloid illness" next to it?

9 A. Okay.

10 Q. I just want to have this chart as we -- we go
11 through some of the studies and talk about this later.

12 And then there is atypical CML. Is that a
13 myeloid leukemia or a myeloid illness?

14 A. It's a myeloid illness, yes.

15 Q. Okay. Let's put that on the list, too.

16 A. Okay.

17 Q. CML, is that a myeloid illness?

18 A. Yes.

19 Q. Okay. Let's put that kind of next to atypical
20 CML, if you've got a little room over there.

21 A. (Witness complies.)

22 Q. Okay. There are subtypes of some of these
23 diseases, these four disease groups that you've put on
24 the chart, correct?

25 A. Yes.

1 Q. MDS, myeloid -- myelodysplastic syndrome, that
2 has a number of subtypes, right?

3 A. Yes.

4 Q. Okay. Can you tell us what those subtypes are
5 and write down their abbreviations under the MDS list?

6 A. Well, that list has changed. In other words,
7 MDS, the original proposal was put forth around 1982;
8 and prior to that time, we called MDS preleukemic states
9 as a general category.

10 In -- in 1982, Dr. Bennett, I believe,
11 proposed the original classification, which was used for
12 a number of years; and in that original -- I'll just put
13 "original classification," he put in that classification
14 what we call refractory -- primary refractory anemia.

15 Q. RA or PRA?

16 A. Yes.

17 Q. Okay. What else?

18 A. We put in refractory anemia with ringed
19 sideroblasts, RARS.

20 Q. Okay. And that's -- again, that's just a
21 different presentation of MDS, correct? A different
22 subtype?

23 A. It's a different subtype -- well, it's a
24 different disease than the first one; and what
25 Dr. Bennett did was put these diseases together in a

1 classification because they had certain similarities.

2 Q. And the purpose, Doctor, if I'm correct from
3 what I've learned in this case, with our expert,
4 Dr. Gore, the purpose of subdividing MDS is for
5 treatment purposes, isn't it?

6 MR. PERRY: Object to form.

7 Q. (BY MR. PATTON) Or is it?

8 A. No, I wouldn't say that that's so. People --
9 some people are what are called lumpers. Some people
10 are splitters. People have a passion for
11 classification. And there are certain usefulnesses to a
12 classification. One might be from an epidemiologic
13 standpoint. One might be from an outcome standpoint.
14 One might be from a therapy standpoint, a causation
15 standpoint. There really would be many reasons why one
16 would like to classify an illness.

17 Q. Okay.

18 A. But I would say treatment would be only maybe
19 one small aspect of that.

20 Q. Before we finish your list, are you a lumper;
21 or are you a splitter?

22 A. I -- I like to be specific as to what the -- as
23 specific as one can be in terms of disease causation.

24 Q. Okay.

25 A. So, in other words, instead of saying

1 hepatitis, I'd like to know if it's hepatitis B or C or
2 what it is. I -- I'd like the disease to be as specific
3 as possible.

4 Q. So, you're a splitter; so it helps in your
5 analysis of causation; is that right?

6 A. Yes, it helps -- I think it helps in the
7 analysis of causation. It certainly helps in the
8 analysis of results of treatment and epidemiology.

9 Q. Okay. And as well as outcome and therapy --
10 therapy meaning therapy/treatment, those are some of the
11 reasons that you, as a -- as a hematologist, like to
12 split?

13 A. Yes.

14 Q. Okay. Let's keep going with our list.

15 A. Okay. We had primary refractory anemia. We
16 had refractory anemia with ringed sideroblasts. We had
17 chronic myelomonocytic leukemia, or CMML.

18 Q. There's two Ms in that type of MDS, right?
19 CMML --

20 A. Yes.

21 Q. -- as compared to CML?

22 A. Yes.

23 Q. Okay.

24 A. Right.

25 And we had refractory anemia with excess

1 blasts, and then we had refractory anemia with excess
2 blasts in transformation.

3 Q. Transforming to what?

4 A. AML.

5 Q. Okay. So, that's to say that someone could
6 have a form of MDS, that it would go ahead and kind of
7 move them from one column to the chart to another. They
8 might go from MDS to AML, correct?

9 A. Well, yes. All of these illnesses in this
10 category can move within the classification; and they
11 can move out of the classification, if they become acute
12 myeloid leukemia.

13 Q. It's kind of an evolving disease. It's not
14 like swine flu where you say, okay, you have swine flu.
15 That's it. Someone could have a form of leukemia and,
16 then it moves into another form depending on how the
17 disease progresses. Fair statement?

18 A. Yes.

19 Q. Okay. Are we done with the list yet?

20 A. That was the primary list. I'm thinking if
21 I've left anything out. I think those were the original
22 designations.

23 Q. Okay.

24 A. And then it became modified. It's been
25 modified several times. And CMML, which is really a

1 myeloproliferative disease, was taken out of this. CMML
2 had been a long-standing stand-alone illness for many,
3 many years before it was put into this category; and
4 then it was taken out of the category as a separate
5 free-standing disease.

6 Q. But is it still a myeloid disease?

7 A. It is a myeloid disease, yes.

8 Q. Okay.

9 A. But it was taken out of the category of
10 myelodysplasia.

11 Q. So, you want to call it MPD; is that right?

12 A. It's a form of myeloproliferative disease, yes.

13 Q. Okay. Should we make a note on the chart of
14 that?

15 A. Okay. You can call it myeloproliferative
16 disease. Okay.

17 Q. I don't want to cut off your explanations. So,
18 you can continue.

19 A. No, no, no, that's -- that's fine. In other
20 words, most hematologists always felt that it should not
21 have been in this classification because it is a
22 myeloproliferative disease; and eventually those pleas
23 were heard and it was paroled from this classification.

24 Then what's happened is that the splitters
25 came along.

1 Q. Including you.

2 A. No, well, I'm -- I'm a casual observer.

3 Q. Okay.

4 A. But sort of splitters within the
5 classification, you might say; and they took -- primary
6 refractory anemia stayed there. Then they took RARS and
7 tried to divide it into a number of categories.
8 So-called pure RARS and -- let's just say pure -- RARS
9 with multilineage dysplasia, meaning that the morphology
10 was very aberrant in one set by the other.

11 Q. "Aberrant," that's a new word to me.

12 A. Meaning that it was further away from normal.
13 In other words, in classic RARS, there are certain
14 particular abnormalities; and they're primarily in the
15 red cell precursors in the bone marrow. You see some
16 where the white cell precursors are abnormal and the
17 platelet precursors are abnormal, and that's referred to
18 as multilineage dysplasia, three different lines being
19 affected. And some people felt there was importance to
20 segregate those -- those lines, that is to say, because
21 it seemed that the people with pure RARS had a better
22 prognosis than those with the multilineage dysplasia.

23 Now, it could be that simply you're
24 looking at the two ends of a bell-shaped curve and that
25 there really is one illness, but nevertheless, they were

1 separated out into these two categories where they stand
2 now.

3 And I might go back, by the way, I just
4 remembered, that in the original classification, there
5 was sort of a myelodysplasia unclassified, a U; and that
6 is, it didn't fit into any of these categories.

7 Q. Okay.

8 A. That's still in the newer ones, myelodysplasia
9 U.

10 Then what happened was -- is that the
11 refractory anemia with excess blasts and transformation
12 disappeared because it was decided that really that was
13 acute leukemia and it was -- it already was acute
14 leukemia. And what had happened was we used to have a
15 rule that you had to have 30 percent blasts,
16 myeloblasts, to make a diagnosis of AML. Then that
17 number went down to 20 percent. Well, when that went
18 down to 20 percent, a lot of these refractory anemias
19 with excess blasts and transformation fit into the AML
20 category.

21 Q. So, each of the diseases on Exhibit 8, the
22 chart you're making for us, those are all -- they all
23 have the potential to progress to AML, correct?

24 A. Yes. All diseases in the myelodysplasia
25 category have the potential to progress to AML at

1 different incidence rates.

2 Q. But then if you have someone with RAEB in
3 transformation with -- that has the little t next to
4 it --

5 A. Yes.

6 Q. -- that's to say, you already know that that's
7 going to become AML?

8 A. Yes. Now, before, it was considered that
9 wasn't quite AML but now it's considered it is AML.

10 Q. Okay.

11 A. Okay? And -- and actually, we've even gone
12 further than that, because in certain forms of AML, you
13 don't even have to have 20 percent blasts anymore to
14 make that diagnosis. In other words, today, if you see
15 a person with a particular chromosome aberration, such
16 as inversion 16, or 15 to 17, translocation that causes
17 promyelocytic leukemia, even though you may have, let's
18 say, 5 percent blasts, by definition, that becomes an
19 acute leukemia, an acute myeloid leukemia, without the
20 20 percent blast number. So, there's been a continued
21 evolution of what we actually call acute leukemia.

22 In any event, getting back to your table
23 here, we -- what's further happened is now, going back
24 to this RARS category, there's been a further subset
25 taken out of this one because some of these patients

1 with RARS have very high platelet counts. That's been
2 known for many years. And in recent years it's been
3 shown that that particular group often has an unusual
4 chromosome aberration called the JAK2.

5 Q. And a chromosome -- a chromosome aberration is
6 where there's a notable or marked problem with a
7 specific chromosome?

8 A. Well, it may be a duplication of a particular
9 area on the chromosome, a very tiny defect. It may be
10 loss of part of a chromosome. It may be loss of a whole
11 chromosome. It may be an extra chromosome. There are
12 many kinds of aberrations that can occur. It could be a
13 translocation where a piece of one chromosome moves to a
14 different one in exchange for that chromosome's piece, a
15 so-called reciprocal translocation.

16 In any event, so, now -- now you could say
17 the RARS category has been split out into pure,
18 multilineage dysplasia and -- and those that have this
19 JAK2 aberration so that -- that one category might
20 become three.

21 We still have refractory anemia with
22 excess blasts; and that's been divided into two
23 categories, sort of a 1 and 2, depending on how many
24 blasts you have.

25 And then there is a -- another category of

1 just myelodysplasia with multilineage dysplasia, meaning
2 that all three cell lines are -- are affected and that's
3 the general -- I think I've covered most of the ones on
4 the current transformation. These are very fluid, and
5 every so often they are modified a bit.

6 Q. Okay. That -- that bottom half of the page, is
7 there a year where those became the new criteria or the
8 new names, so to speak?

9 A. I can't tell you the exact year. For example,
10 the removal of the RARS because of the JAK2, that's
11 actually proposed. It's been proposed for about two
12 years. I don't know if it currently has been accepted.
13 These are sort of -- it's peculiar how these changes
14 come about. They're often done by the World Health
15 Organization, who are primarily pathologists; and -- and
16 those of us that are clinicians look at this, and it's
17 only been in recent years that the WHO now has a few
18 clinicians on their board.

19 But most of these designations are
20 pathologic designations. In other words, the
21 pathologists looking at the bone marrow and thinking
22 that they can make separations. And what happens is
23 that as many people have commented and as pointed out in
24 some of the articles that I have there, you can give
25 many of these slides to different pathologists; and they

1 might be put into different slots. There's a lot of --
2 a lot of interpreter variation when you're talking about
3 pure morphology.

4 Q. So, there's interpreter variation among
5 splitters --

6 A. That's correct.

7 Q. -- in the hematology and the pathology
8 communities?

9 A. That's correct. You might look, for example, I
10 saw one report where, just in terms of this refractory
11 anemia with ringed sideroblasts, one large institution,
12 virtually all of their patients were in the RARS
13 category. A second institution, virtually all of their
14 patients were in the multilineage dysplasia category
15 when they had ringed sideroblasts. And they really
16 ought to be the same. These are both large studies.

17 So, it looked like the pathologists at one
18 institution were more likely to use the term
19 "multilineage dysplasia" than those at the former
20 institution.

21 And, again, there's a lot of, I think,
22 interpreter variation in these issues.

23 Q. Fair enough. I didn't mean to cut you off.

24 A. No, no, I -- as I say, that's -- that's where
25 we are today. In other words, it's a -- it's a category

1 that -- that is -- continues in evolution.

2 Q. Fair enough. AML --

3 A. Yes.

4 Q. -- can we subdivide AML?

5 A. Yes. AML formerly has been subdivided into
6 what we call the FAB classification.

7 Q. Is that the M1 to -- through M6?

8 A. Yeah, or M7. And the -- that was purely based
9 on, let's say, morphology, the so-called
10 French-American-British classification. That actually
11 has become passè. It is still used and some textbooks
12 still use it but the World Health Organization has
13 dropped it.

14 Q. So, that's to say that you don't call AMLs by
15 an M1 or an M4 subtype anymore?

16 A. Some people do. Some people don't. As I say,
17 that's, again, a state in transmission -- in -- in
18 change. For example, if I saw a person with what's
19 called M3 promyelocytic leukemia, some people would call
20 it M3. Some people would call it promyelocytic
21 leukemia. The World Health Organization would call it
22 15 to 17 translocation leukemia because you have to have
23 that translocation to get into the game.

24 Q. Got you.

25 A. And, so, what the World Health Organization has

1 been trying to do in recent years is to pigeonhole these
2 myeloid disorders into categories that have a very
3 specific molecular or -- or biochemical marker that --
4 that, let's say, proves their existence, as opposed to
5 others that don't have that.

6 Q. So, can we make -- to subdivide AML in sort of
7 a simple way to teach us, can we -- can we -- is it
8 still fair to write down those M1 through M6?

9 A. Yes, you can. In other words, there's actually
10 M0 --

11 Q. Okay.

12 A. -- meaning very poor differentiation; M1; M2.
13 M3 is the promyelocytic. M4 is -- is, I believe,
14 myelomonocytic. And M5 is pure monocytic. M6 is
15 erythroleukemia. M7, I believe, is megakaryocytic
16 leukemia. They're sort of morphologic variations of
17 AML.

18 Q. So, within each one of those -- let's take M2,
19 for example. Not all M2 patients are the same, correct?
20 Some of them might have other sort of individual things
21 about their disease that make them particular --

22 A. Yes. The classification is simply how close do
23 these blasts look compared to the normal cell? In other
24 words, are they -- normal myeloid cells have granules in
25 them. Are these cells devoid of granules? Are there a

1 few granules? Are there aberrant granules? For
2 example, in M4 AML, some have very aberrant-looking red
3 or eosinophilic granules. Sometimes that's associated
4 with a particular chromosome defect called inversion 16,
5 sometimes not.

6 And, so, by looking at the morphology,
7 which doesn't necessarily correlate directly with
8 chromosome aberrations except, for example, in M3, you
9 come to a conclusion which category it goes into.

10 Q. But you could even subdivide a little more if
11 you really wanted?

12 A. Yes. And that's what's happening now, is by
13 eliminating these categories of M numbers, you're trying
14 to separate them by some particular molecular marker.

15 Q. Fair enough.

16 With the atypical CML, can we subdivide
17 that anymore?

18 A. No. Atypical CML originally was simply called
19 Philadelphia chromosome negative CML. And then when we
20 came up -- and there were -- if we looked at all CMLs,
21 there were a certain number that fit into that camp
22 where they couldn't be morphologically distinguished
23 from CML with the Philadelphia chromosome. They were
24 just Philadelphia chromosome negative CML.

25 Then things got a little further

1 subdivided, because we found that some of those people
2 that were Philadelphia chromosome negative, they had a
3 protein in their blood called the Bcr protein that's
4 produced by the Philadelphia chromosome. And even
5 though you couldn't see the chromosome, it was there
6 because it was making this marker. So, then, in order
7 to become Philadelphia chromosome negative, you also had
8 to be negative for the Bcr locus.

9 And then people came up -- the World
10 Health Organization, their being purists, they wanted
11 CML to be only used for people with the Philadelphia
12 chromosome; and anything else, we've got to find
13 someplace to stick it. We don't -- we don't want it
14 called CML.

15 The M.D. Anderson group said, "Okay, we'll
16 call it atypical CML." And that seems to -- it's still
17 smoldering, but that seems to be an accepted designation
18 now, that atypical CML is a disease that morphologically
19 is indistinguishable from classic CML except it doesn't
20 have the Philadelphia chromosome.

21 Q. So, do we subdivide the CML anymore?

22 A. Well, CML --

23 Q. Regular CML, not atypical.

24 A. Regular CML -- no, it's -- it's the disease
25 characterized by the -- by either the Philadelphia

1 chromosome or the positive Bcr product and that's the
2 disease that has been, you might say, a miracle, because
3 when I first went into practice, 100 percent of these
4 people would die and then a number were saved by bone
5 marrow transplants and today 90 percent take a pill a
6 day and do fine.

7 Q. Is it -- I'm sorry.

8 A. No, I was going to say --

9 Q. Isn't that what happened to Raul Zendejas? He
10 had atypical CML. Back in the day, he would have died.
11 Here he was given a bone marrow transplant. He
12 survived.

13 A. That's correct.

14 Q. Okay. I think we've covered the chart, do you?

15 A. Yes, in general, that's right, yes.

16 Q. Okay. You've brought up some things that I
17 want to talk about in a little more detail and then
18 we'll kind of move on to a new topic.

19 You mentioned cell lines. There -- there
20 are three cell lines within the bone marrow. It's my
21 understanding the bone marrow's function, it's sort of
22 like a -- it's a factory for white cells, red cells and
23 platelets; is that right?

24 A. In general, yes. There are also lymphoid cells
25 in the bone marrow. And plasma cells. There are a

1 variety of lymphoid cells.

2 Q. The bone marrow produces red blood cells.

3 Those carry oxygen to our body; is that right?

4 A. Yes.

5 Q. And you need the oxygen in your body for

6 energy, right?

7 A. Yes.

8 Q. And then the white cells, those help fight

9 immunity, correct?

10 A. Well --

11 Q. Or they help immunity functions?

12 A. Yeah, in two -- two different ways. The
13 so-called myeloid white cells, the granulocytes,
14 neutrophils, monocytes, they directly phagocytize and
15 kill bacteria. So, they're primarily antibacterial and
16 antifungal to a certain degree; whereas, the lymphoid
17 cells are more immune mediated, making your antibodies
18 to viral diseases, other diseases of that nature.

19 Q. And then our platelets, those help our blood
20 clot if we have a scrape or a cut or even something
21 internally; is that right?

22 A. Yes. The platelets come from the parent cell
23 in the marrow, which is called a megakaryocyte.

24 Q. Generally speaking, is it fair to say that a
25 myeloid leukemia or a myeloid disease causes or involves

1 some type of -- of screw-up with the process of one of
2 those -- one or more cell lines. Is that a fair
3 statement?

4 A. Yes.

5 Q. That's to say there's some damage in the bone
6 marrow that is manifesting itself by either moving your
7 white counts higher or lower or your red counts higher
8 or lower or messing up your platelet numbers. Is that a
9 fair description?

10 A. Yes. It interferes with the -- with the
11 mechanics of cell production, AML does.

12 Q. All the -- all of the myeloid --

13 A. All of these myeloid diseases do, yes.

14 Q. Okay. If benzene -- before we made your
15 chart -- if benzene is going to hurt someone, it's going
16 to hurt their myeloid cells. It's going to hurt their
17 bone marrow, correct?

18 MR. PERRY: Object to form.

19 A. Well, it's going to hurt -- it can hurt all of
20 the cells, including the lymphoid cells, because in
21 aplastic anemia, the lymphocytes often disappear first.
22 And, so, it's not just the myeloid cells. It's lymphoid
23 cells that may be damaged by benzene, in the case of
24 aplastic anemia.

25 Q. (BY MR. PATTON) For -- I want to kind of move

1 to a new topic here. You practice here in the Texas
2 Gulf Coast; is that correct?

3 A. Yes.

4 Q. Is -- how long have you been practicing here?

5 A. Well, except for two years in San Antonio when
6 I was in the service, I've been here since I came here
7 to go to medical school in 1962. Long time.

8 Q. Over 35 years?

9 A. Yeah, more than that, actually, but a long
10 time.

11 Q. Okay. Do you -- have you been treating
12 patients with myeloid leukemias or myeloid diseases
13 throughout that time here in the Texas Gulf Coast?

14 A. Yes, since I was actually an intern seeing
15 patients; and I at that time saw a number of patients
16 with myeloid leukemias. So, since 1966 and including
17 the years I was in the service because I was assigned to
18 the referral hospital for hematology in the Air Force.
19 So, I exclusively saw hematology cases during those two
20 years I was in the Air Force. So, I've been seeing
21 various forms of leukemias and myeloid, lymphoid
22 diseases since 1966.

23 Q. Would you agree with me that there is a really,
24 really large concentration of oil refineries and
25 petrochemical facilities here in the Texas Gulf Coast?

1 A. Yes.

2 Q. And would you agree with me that the primary
3 function of some of those refineries is to make
4 gasoline?

5 A. I assume that's correct. I don't know what
6 percentage or how that works. I'm not a refinery
7 person, but I -- I can't disagree with that.

8 Q. You just know in your general life experience
9 that they're making a lot of gasoline here in the
10 Houston area?

11 MR. PERRY: Object to form.

12 A. I'm sure that that's so, but I couldn't
13 quantitate that in any way.

14 Q. (BY MR. PATTON) Sure. Sure. If we go to
15 Phoenix, they're not really making a lot of gasoline
16 there?

17 MR. PERRY: Object to form.

18 A. I don't know that for a fact, but that would
19 seem reasonable.

20 Q. (BY MR. PATTON) Do you have any appreciation of
21 how much gasoline is produced at the refineries here in
22 the Texas Gulf Coast area?

23 A. No.

24 Q. Okay. Do you know if benzene is a component of
25 gasoline?

1 A. Well, there is -- there is benzene in gasolines
2 and -- and fuels, yes.

3 Q. Do you -- do you have any understanding of what
4 the generally accepted causes of myeloid leukemias, all
5 those diseases on your chart there, what are the -- the
6 possible causes of myeloid leukemias?

7 MR. PERRY: Object to form.

8 A. Well, the largest category, of course, of
9 myeloid leukemias -- and you have to subdivide them into
10 type. Let's take AML, for example. The vast majority
11 of AML is what we call de novo disease. And we don't
12 know the causation. And it may even be endogenous from
13 normal cell subdivisions, that somehow because they're
14 so enormous in -- in their attempt to maintain normal
15 blood counts, that mistakes may happen.

16 So, some people would say de novo leukemia
17 or primary leukemia or endogenous leukemia, that would
18 account for, perhaps, 85 to 90 percent of the leukemias
19 that we see, acute myeloid leukemias.

20 Then there are a number of other known
21 causes --

22 Q. (BY MR. PATTON) Let me stop you real quick and
23 talk about some of these --

24 A. Yes.

25 Q. -- before we move to the other ones.

1 De novo, that's to say, we just don't know
2 the cause, right?

3 MR. PERRY: Object to form.

4 A. No, not necessarily. That is true, that we
5 don't know the cause; but it describes a leukemia that
6 has certain particular characteristics. For example,
7 favored chromosome abnormalities; certain clinical
8 course; depending on the chromosome aberration; certain
9 age designations, again, depending on the certain
10 chromosome aberrations; certain racial differences. For
11 example, promyelocytic leukemia, M3, is more common in
12 Hispanics than it is in Caucasians. There would be a
13 variety of things that would go into making a diagnosis
14 of de novo leukemia but -- but it is true. We don't
15 have a identifiable external cause for that illness.

16 Q. (BY MR. PATTON) Some patients come in. They
17 get diagnosed with a certain form of leukemia, and for
18 one reason or another you can't isolate the cause. You
19 call it de novo. Is that fair?

20 A. That's -- that's part of the issue, yes.

21 Q. And what's the -- what was it about M3 in
22 Hispanics?

23 A. Well, it's been noticed -- Dr. Tallman,
24 actually who lectured at Methodist just last week, is
25 one of the people who noticed this, that when you look

1 at -- at communities with large Hispanic populations,
2 they have a much higher incidence of promyelocytic
3 leukemia.

4 Q. AML M3?

5 A. AML M3.

6 Q. Does Raul Zendejas have AML M3?

7 A. No.

8 Q. Okay. What -- and then endogenous, that's to
9 say your -- your body just kind of makes the change?
10 For instance, my hair might go from dark to gray; and
11 that's just a body change. Is that what endogenous is?

12 A. No. What endogenous means is that we have a
13 massive amount of white cells in the body. And these
14 white cells don't live very long. And we replace all of
15 these millions of cells at least three times every day.
16 And in order to do that, what has to happen is the
17 chromosomes have to fracture, double and reassemble; and
18 accidents can happen. And we have tremendous reparative
19 mechanisms, but if an accident happens and a
20 translocation may occur or a damage that can't be
21 repaired and for whatever reason that damaged chromosome
22 is favored in terms of its reproduction, we may end up
23 with a leukemia and it's -- it's an endogenous event.
24 There wasn't -- there wasn't a medicine. There wasn't a
25 physical external problem that caused it. It was

1 something that happened because of the necessity for
2 this massive reproduction of cells that the bone marrow
3 needs to engage in.

4 Q. All right. We have de novo. We have
5 endogenous, and what are the other recognized causes of
6 myeloid leukemias?

7 A. Okay. Well, we have certain, let's say,
8 congenital illnesses.

9 MR. PERRY: Object to form.

10 Just a second.

11 Are you talking about AML or myeloid
12 leukemia?

13 A. AML. I'm still with AML. We have certain
14 congenital illnesses, for example, Down syndrome,
15 trisomy 21, is associated with a high incidence of both
16 acute myeloid and lymphoid leukemia.

17 Q. (BY MR. PATTON) Did Raul Zendejas have any of
18 those?

19 A. No.

20 Q. Okay. And, Doctor, I want to make it clear. I
21 want to talk about generally accepted causes of the
22 whole family of myeloid leukemias.

23 A. Well, but you have to talk about -- if you want
24 to do that, that's fine; but you have to talk about them
25 individually because they are different.

1 Q. Fair enough. What else -- what other causes do
2 we have?

3 A. In the case of acute leukemia, it's interesting
4 that an illness, hereditary illness called pernicious
5 anemia is associated with an increased incidence of AML;
6 and the reason for that is that when you get vitamin B12
7 deficiency, it interferes with chromosome duplication
8 and you can get chromosome aberrations simply from that
9 vitamin deficiency. And all the studies that have
10 looked at long-term consequences of people with
11 pernicious anemia find a higher incidence of both
12 stomach cancer and AML in that group. So, that would be
13 another risk factor.

14 Q. Did Raul Zendejas have pernicious anemia?

15 A. No.

16 Q. Okay. What are the other causes?

17 A. What are the other causes? Of course, benzene
18 is a known cause of -- of -- or potential cause of AML,
19 benzene exposure at very high levels. The other
20 incidences are -- are chemotherapy drugs.

21 Q. Hold on a second. Did Raul Zendejas have
22 occupational exposure to benzene?

23 A. Well, he worked with gasoline; and I can't
24 comment as to what concentrations of benzene he has. I
25 don't believe he worked with pure benzene. He worked

1 with gasoline which might have 1 to 2 percent benzene in
2 it. So, indirectly, yes, he's working with benzene.

3 Q. You agree with me that Raul Zendejas was
4 occupationally exposed to benzene?

5 MR. PERRY: Object to form.

6 A. He was occupationally exposed to gasoline, of
7 which benzene is a component.

8 Q. (BY MR. PATTON) Okay. If someone comes to
9 you -- are you an internal medicine doctor as well?

10 A. Yes.

11 Q. I mean, you can teach -- teach -- you can treat
12 patients with colds, fevers, other diseases, correct?

13 A. Yes.

14 Q. Okay. If someone comes in and has, let's say
15 diabetes, can that be caused by sugar problems; or how
16 is that?

17 A. Well, diabetes, that's an illness broken into
18 generally two camps, so-called type 1 and type 2
19 diabetes, type 2 being -- having a genetic
20 predisposition typically. Type 1 is more of an acute
21 illness that may be viral induced, often happens at an
22 early age and is a more aggressive illness.

23 Q. But it has something to do with sugar, right?

24 A. Well, when you get diabetes, you can't handle
25 sugar very well because you're lacking insulin or --

1 Q. Can you handle -- I'm sorry. I didn't mean to
2 cut you off.

3 A. Or you have resistance to insulin. The insulin
4 doesn't work very well.

5 Q. Can you handle Twinkies, if you're a diabetic?

6 A. Well, you can if you're taking your insulin
7 properly. If you're taking insulin injections. In
8 other words, the objective is to try to control your
9 blood sugar, because we know that if the blood sugar is
10 constantly very high, that predisposes to vascular
11 defect, vision defect, kidney defects and so on.

12 Q. Twinkies have sugar in them, correct?

13 A. Yes.

14 Q. So, if you're a diabetic, you want to be
15 mindful of Twinkies just as you want to be mindful of
16 putting pure sugar in your coffee, correct?

17 A. Well, you have to -- you have to be -- have a
18 balance between the amount of sugar in your intake and
19 the amount of insulin that you have in the body.

20 Q. As a doctor, you recognize and respect the role
21 of sugar in foods, as compared to pure sugar, as that
22 might affect diabetes, right?

23 MR. PERRY: Object to form.

24 A. I'm not sure I understand that question.

25 Q. (BY MR. PATTON) I'll move on.

1 Okay. You said benzene, known or
2 potential cause of myeloid leukemias, correct?

3 A. Yes.

4 Q. And then what other causes --

5 MR. PERRY: You said AML or myeloid?

6 THE WITNESS: AML.

7 A. The --

8 Q. (BY MR. PATTON) Before we move on, do you not
9 believe -- you do not believe that benzene causes MDS;
10 is that correct?

11 A. No, I do believe benzene can cause certain
12 forms of MDS.

13 Q. Okay. Well, we just talked about -- your
14 lawyer chimed in and said do you just mean AML and I
15 want to be clear on this.

16 A. No, we were talking about AML. I thought I was
17 talking just about AML causation.

18 Q. I want to talk about the myeloid leukemias.

19 A. I understand. But I would like to do that one
20 at a time.

21 Q. Okay.

22 A. In other words, because as I said earlier,
23 there are different causations in these illnesses; and
24 AML is one illness and -- and other things, like CML,
25 they're entirely different illnesses.

1 Q. Is benzene capable of causing AML?

2 A. Yes.

3 Q. Can benzene cause MDS?

4 A. Certain forms of MDS, yes.

5 Q. Can benzene cause CML?

6 A. No.

7 Q. Can benzene cause atypical CML?

8 A. No.

9 Q. Okay. We'll go through those in a little more
10 detail.

11 You -- you were mentioning the next cause,
12 chemotherapy drugs?

13 A. Yes. Of what we call secondary leukemias
14 today, and secondary means not endogenous. There is an
15 external event that caused the AML. Many of these,
16 perhaps, oh, 7 to 8 percent of those are caused by prior
17 chemotherapy treatment. For example, we might have a
18 lady with breast cancer and the chemotherapy is
19 successful in treating the breast cancer but causes the
20 development of AML several years later. Or we treat
21 someone for Hodgkin's disease and later on acute
22 leukemia develops. So that chemotherapy and radiation
23 therapy are both known causes of AML.

24 Q. I'll add radiation right under chemo drugs. Is
25 that fair?

1 A. Yes.

2 Q. Okay. So, chemo drugs and radiation, those can
3 affect the myeloid cells, correct?

4 A. Yes.

5 Q. Can benzene affect the myeloid cells?

6 A. Yes.

7 Q. CML and atypical CML, those are myeloid
8 diseases, correct?

9 A. Yes.

10 Q. And your testimony here, just as if it were in
11 front of a judge and a jury, is that benzene, yes, it
12 affects the myeloid cells; but it's not going to affect
13 it in such a way to cause those two diseases. Is that
14 your testimony?

15 A. That's correct.

16 Q. Okay. And did we talk about all the causative
17 factors?

18 A. I think that we didn't talk about other
19 underlying bone marrow diseases. For example, people
20 who have myelodysplasia for whatever reason in most
21 cases myelodysplasia is also a de novo disease but
22 various forms of myelodysplasia may terminate in AML.
23 And, so, an underlying myelodysplasia may -- is a
24 causative factor in AML or can be.

25 Q. And you have -- that myelodysplasia, that would

1 essentially fit in on that chart of myeloid diseases,
2 correct?

3 A. Yes, myelodysplasia would be a myeloid disease.

4 Q. Okay. And then that can become AML?

5 A. Yes.

6 Q. Okay.

7 A. And then myeloproliferative diseases, those are
8 illnesses like CML or polycythemia vera, myelofibrosis,
9 primary thrombocytosis, chronic myelomonocytic leukemia,
10 all of the myeloproliferative diseases may also
11 terminate in AML at differing incidence rates.

12 Q. Did Raul Zendejas have any exposure to
13 chemotherapy drugs or radiation?

14 A. Not that I'm aware of.

15 Q. And he had some bone marrow disease forms that
16 ultimately became AML; is that accurate?

17 A. Well, he developed what most people would call
18 a blast crisis. That is, he developed very immature
19 cells in the peripheral blood. And in people with CML
20 or atypical CML, when they go into what we call blast
21 crisis, they make large numbers of very immature cells
22 which can be myeloid or they can be lymphoid. They're
23 usually myeloid, perhaps 80 percent are myeloid. Maybe
24 20 percent of the time they're lymphoid. And we call
25 that blast crisis. And it simulates acute myeloid

1 leukemia. And if you wanted to call it acute myeloid
2 leukemia, I wouldn't put up a fuss; but most
3 hematologists would simply refer to it as a blast
4 crisis.

5 Q. Depending on how much of a splitter or a lumper
6 they are. Is that fair?

7 A. Yes. You may be seeing myeloblasts or
8 lymphoblasts, which are the characteristics of acute
9 lymphoblastic leukemia or acute myeloblastic leukemia;
10 but the implications of a blast crisis in CML are much
11 different than the implications of acute myeloid
12 leukemia as we know it.

13 Q. If Dr. Carroll -- you understand Dr. Carroll
14 was Raul's main treating doctor. Do you understand
15 that?

16 A. Yes.

17 Q. He gave him two bone marrow transplants; is
18 that right?

19 A. Yes.

20 Q. We took Dr. Carroll's deposition. We looked at
21 his records. You looked at both the depo and the
22 records, right?

23 A. Yes.

24 Q. He says that Raul has AML, correct?

25 A. I don't remember that -- that particular

1 statement. I don't dispute it. I just don't remember
2 that.

3 Q. All right. Have we called -- have we talked
4 about all the causes of myeloid leukemias?

5 A. I think we've covered most of them. Let's see.
6 We talked about congenital issues, acquired issues with
7 chemotherapy and underlying diseases, endogenous
8 formation of AML. I think those would be the most --
9 most predominant causes.

10 Q. Is smoking a factor in causing myeloid
11 diseases?

12 A. Yes. Smoking is actually -- I had forgotten
13 that. Smoking -- to add that -- smoking is thought to
14 cause acute -- potentially cause acute myeloid leukemia
15 and certain forms of myelodysplasia.

16 Q. Did Raul smoke cigarettes?

17 A. Yes.

18 Q. Okay. I want to talk a little bit more about
19 these -- this de novo category of possible causative
20 factors of myeloid leukemias. Did you say it's 80 or
21 90 percent of all myeloid diseases are considered de
22 novo?

23 A. I said that of acute myeloid leukemia and
24 myelodysplasia about 85 to 90 percent of those diseases
25 are considered de novo diseases.

1 Q. Isn't part of the reason they're de novo is
2 because no one asked, no one conducted the more in-depth
3 examination to try to figure out other possible causes?

4 A. No, I don't think that's so.

5 Q. If someone comes in to your clinic and you
6 diagnose them with a form of myeloid leukemia and you
7 take a patient history, do you do that sometimes with
8 your patients?

9 A. Yes.

10 Q. You talk about social history, whether they
11 smoked, what kind of work they did?

12 A. Yes.

13 Q. You asked them about hereditary factors, family
14 history of cancer, things like that?

15 A. Yes.

16 Q. If they come in and they -- they don't tell you
17 that they had previous chemo drugs and previous
18 radiation treatment, would you call it a de novo
19 leukemia?

20 A. Well, if I don't have that information, then --
21 then that's a missing important part of history. And if
22 a person had heavy chemotherapy and came in with acute
23 leukemia, it's more likely to be, depending on the time
24 sequence, the drugs he got and so on, it's very likely
25 to be a secondary AML.

1 Q. Not a de novo AML?

2 A. Not a de novo.

3 Q. So, he comes in; he raises his hand and he
4 waves it around and he says hey, Doc, hey, Doc, I had
5 chemo drugs and I had a lot of radiation, you would
6 automatically -- well, not automatically, but you would
7 draw the conclusion, as a -- as a doctor, you would say,
8 well, probably a cause of your disease. You're no
9 longer in the de novo category?

10 A. Yes. I would say this, that in no particular
11 case of acute myeloid leukemia can we be certain about
12 causation. In other words, there's no way to look at
13 that slide or that patient and say, aha, this was caused
14 by this and that was caused by that. All we can say is
15 what is probable.

16 And, so, I would say if somebody came in
17 with a past history within, let's say, the last 10,
18 15 years of getting high-dose chemotherapy and they came
19 in with acute myeloid leukemia, I'd say it was highly
20 probable that the chemotherapy was -- was -- leukemia
21 was a consequence of the chemotherapy.

22 Q. But if he just doesn't mention his chemotherapy
23 history, you're not going to say more probable than not
24 that it's chemo drugs. You're going to say, oh, you're
25 de novo, right?

1 A. That's correct.

2 Q. So, you would agree with me, Doctor, that part
3 of the reason some 85 percent, give or take, of
4 leukemias or myeloid leukemias are de novo is because
5 nobody asked, right?

6 MR. PERRY: Object to form.

7 A. No. Because, you see, you can find plenty of
8 literature to show where people did ask. For example,
9 one of the types of acute myeloid leukemia is called M6,
10 or erythroleukemia; and that's one that benzene can
11 cause. And there's one -- the larger study in the world
12 done on those cases, and I think they had, like,
13 about -- let's just say 70 cases of erythroleukemia, and
14 they sat down with these patients and took very detailed
15 histories and they came up with the fact that 66 of
16 them, I believe, were -- something in that order -- were
17 de novo. They had no history of any sort of exposure.
18 Some had prior history of myelodysplasia; or they took
19 immunosuppressive drugs for transplants; they had some
20 other drug background that might be causative, and they
21 found one person out of that group who gave them a
22 history of heavy exposure to solvents. So, perhaps that
23 one person had his illness from solvent exposure,
24 perhaps he didn't. But there -- there -- we have enough
25 studies like that. So, we know that the bulk of

1 leukemia is without any obvious external causation.

2 Q. (BY MR. PATTON) If somebody comes in and you
3 take your social history and their work history,
4 occupational history and they tell you, hey, you know,
5 my mom had pernicious anemia, family history of cancer
6 and I've been smoking. Would you acknowledge those as
7 positive causative factors in their myeloid leukemia?

8 MR. PERRY: Object to form.

9 A. Well, in acute myeloid leukemia, for obscure
10 reasons to this point, if your mother had breast cancer,
11 you are at higher risk of AML than the general
12 population. And certainly if you smoke, you're at
13 higher risk of AML than the general population.

14 Q. (BY MR. PATTON) So, if you know those things,
15 you can acknowledge them as possible causative factors,
16 right?

17 A. Well, associations. In other words, we --
18 again, it's, in an individual case, very difficult to
19 give cause and effect. We can just say statistically a
20 son of a woman with breast cancer has a higher incidence
21 of AML. Statistically a smoker has a higher incidence
22 of AML if they're a heavy smoker. Why that's the case,
23 we don't know.

24 Q. I'm not really asking if there's -- if someone
25 might have a higher incidence. What I'm really asking

1 is: If somebody walks into your clinic, you diagnose
2 them with a form of leukemia; you take a social history;
3 they tell you, hey, I've had some chemo drugs; or, hey,
4 I've had radiation; or, hey, I've been smoking, before
5 you start digging deeper into the literature, do you
6 acknowledge and respect those -- those issues as
7 possible causative factors of their leukemia?

8 A. Yes.

9 Q. Okay. But you don't necessarily go as far to
10 do a full investigation to take them out of the de novo
11 category; or do you?

12 A. Well, I think our therapy in acute leukemia is
13 based today in part -- and actually in the main -- on
14 chromosome analysis in the patients --

15 Q. And, Doctor, I don't mean to cut you off; but
16 I'm not asking about chromosomal analysis.

17 A. I understand. But you're saying -- your
18 question has to do with whether I might base my
19 treatment on the history of whether it's de novo or
20 secondary.

21 Q. I'm not talking about treatment.

22 A. Okay.

23 Q. I'm talking about --

24 A. You'll have to repeat that question, then.

25 Q. Okay. Let's -- that's fair. Let's do that.

1 Someone walks into your clinic, okay?

2 A. All right.

3 Q. You diagnose them with a given form of myeloid
4 leukemia, any one on your chart there, okay?

5 A. Okay.

6 Q. And they tell you -- you take a social history,
7 and they say, Doc, I've had a bunch of chemotherapy
8 and/or I've had a bunch of radiation for prior cancer
9 treatment. Do you, as a doctor, recognize those --
10 those earlier exposures to chemo drugs or radiation as
11 possible causative factors of their disease?

12 MR. PERRY: Object to form.

13 A. Yes. I think I've already said that.

14 Q. (BY MR. PATTON) Okay. Somebody comes in to
15 you -- and you work here in Houston, and there's a lot
16 of gasoline being made around us. Someone walks in and
17 says, Doc, I've been working at that refinery for
18 30 years. We've been making gasoline. Would you
19 acknowledge benzene as a possible cause of their myeloid
20 leukemia?

21 MR. PERRY: Object to form. Vague.
22 Doesn't specify a type of myeloid leukemia.

23 Q. (BY MR. PATTON) And, Doctor --

24 A. Well --

25 Q. -- I'm asking generally. Okay. They come in

1 and you figure out, hey, this guy has got some type of
2 myeloid leukemia. The pathology is out there right now.
3 It's going to come back and tell you what specific type.
4 Is that kind of how it works? I mean, you don't first
5 see the patient?

6 A. Well, usually you first see the patient or, in
7 my particular case -- let me give you a specific example
8 of how it works. This week a doctor had a patient who
9 was deaf and he wants to put in a cochlear implant in
10 his ears to have him hear better. And the man is 72
11 years old and has never been a sick a day in his life.
12 But like many surgeons do, they get preoperative
13 laboratory work; and lo and behold, his white blood
14 count is 50,000. The normal is 5 to 10,000. So, 50,000
15 is high. And they're lymphoid cells, at least the lab
16 says that. So, he cancels the surgery; and he refers
17 the patient to me.

18 Q. Let me stop you right there real quick. That
19 patient comes in and he has a problem with his white
20 cells and he has a problem with his lymphoid cells,
21 right?

22 A. Yes.

23 Q. And you can tell that off his standard blood
24 work, right?

25 A. I can tell the general category of illness off

1 the standard blood work, yes.

2 Q. Okay. What is that general category of
3 illness? Is it a myeloid disorder, a myeloid disease?

4 A. It -- well, it could be if the lab technician
5 is mistaken, because many times what are myeloid cells
6 are mistaken for lymphoid, especially immature cells,
7 and vice-versa. So, at least if -- if the lab were
8 accurate, I would say he would have a
9 lymphoproliferative disease.

10 Q. Does that fit on our chart?

11 A. No. We're not -- only in the case of a blast
12 crisis, which can be lymphoid; but we're talking
13 myeloproliferative diseases. But you're talking how it
14 works when you see a patient with leukemia or you're
15 referred, and I'm saying that's a typical example.
16 Someone comes in with a high white count. They come to
17 the hematologist for an evaluation of what is the cause,
18 what's the classification, what's the type of illness
19 and what kind of therapy would be appropriate.

20 Q. Is part of your job as a hematologist here in
21 the Houston area to determine cause of your patients'
22 diseases?

23 A. Well, that's part of what we do. In other
24 words, a -- a -- even though a hematologist is not a
25 trained epidemiologist, we always take a history from a

1 patient; and you might say we have a good understanding
2 of what's likely and what's not likely from our long
3 experience.

4 For example, if I see a patient with hairy
5 cell leukemia, I know that about 80 percent of them are
6 going to be male because I've been seeing hairy cell
7 leukemia for 40 years and 80 percent are male. I don't
8 need an epidemiologist to tell me that because that's an
9 observation made over time.

10 Q. Somebody could give you something of a quiz,
11 give you the pathology that says hairy cell leukemia.
12 You don't even need to look at the top to see male or
13 woman. You're saying, hey, probably a male here?

14 A. It's probably going to be man. And if it's a
15 woman, I'm going to look twice at those slides to be
16 sure that this is not a mistake because that particular
17 illness is a man's disease.

18 Q. If someone came -- I'm sorry.

19 A. No. I was going to say, and if somebody
20 referred me an 18-year-old person and said they had
21 multiple myeloma, I'm going to say, tilt, that's an
22 older person's disease. I'm going to look carefully and
23 see could there be some other etiology.

24 Q. If someone comes in and you see the chart and
25 you see -- and you see that, hey, this person has a form

1 of myeloid disease, just like one of those on the
2 chart -- you know it's one of those. And you see at the
3 top there worked at a refinery for 30 years making
4 gasoline, are you going to draw the conclusion, hey,
5 benzene probably a factor in this disease?

6 MR. PERRY: Object to form.

7 A. Not necessarily. In other words, I'm aware of
8 the general epidemiologic literature and I have a number
9 of papers in there and I know that for many, many years
10 it's been very difficult to demonstrate an excess of
11 myeloid leukemias in refinery workers over what's in the
12 general population. So, just because they work in a
13 refinery doesn't mean that that's the cause of their
14 illness.

15 Q. (BY MR. PATTON) Well, just because someone had
16 chemotherapy drugs doesn't really mean that's the cause
17 of their illness, does it?

18 A. That's true. In other words, it's a -- it's a
19 consideration -- but I would -- let's say if I found out
20 that somebody had a total of about 20,000 milligrams of
21 Cytosan as part of their chemotherapy, that would be a
22 much more of a red flag to me than if a guy said, you
23 know, I work -- I've worked at the refinery down the
24 street for 20 years.

25 Q. What if it says he worked with a lot of

1 benzene?

2 A. Well, I'd say that's -- if that's so, that --
3 that would be significant, if he was working with pure
4 benzene.

5 Q. Have you -- and people refer you -- doctors
6 refer you patients all the time, right?

7 A. Yes.

8 Q. Have you ever made -- do you have any type of
9 survey form that you give them that says, hey, "yes" or
10 "no," chemotherapy drugs, did you smoke, prior
11 radiation, family history, do you have any type of
12 survey that you perform of your patients?

13 A. No.

14 Q. Thirty-five years in the Gulf Coast area, have
15 you ever used such a survey to determine how many of
16 your patients have worked in refineries?

17 A. No.

18 Q. Have you ever done any type of survey to see
19 how many of your patients have worked with solvents?

20 A. No. These are -- I ask all these questions,
21 but I don't -- and I record them in my history and
22 physical, for example, if they smoke and how long and so
23 on, but I haven't gone back to try to do statistics
24 on -- on my patients to see if I have an unusual number
25 of refinery workers with acute myeloid leukemia because

1 I know that I haven't. In other words, I don't see a
2 patient and he says, I'm just another guy from the Jones
3 refinery down the street; and I say, oh, yeah, here for
4 AML again. It doesn't work like that. I see people
5 from all walks of life.

6 Q. I understand that, Doctor.

7 A. And that particular occupation doesn't stand
8 out in my years of practice.

9 Q. My question is a little different. And I'll
10 ask a new question. Do you -- have you ever -- do you
11 sit in on meetings with others -- isn't there a Gulf
12 Coast Hematology Association or something like that?

13 A. Yes.

14 Q. Do you sit on separate boards or separate
15 groups within the hospitals that you treat patients at?

16 A. Yes.

17 Q. Have you ever chimed in in one of those
18 meetings and said, hey, you know, benzene can cause
19 these myeloid leukemias. We have people, some of our
20 patients who are working, making gasoline, they're
21 working at refineries. Maybe we should do a study.
22 Have you ever done that?

23 MR. PERRY: Object to form.

24 A. I've never made -- I don't recall ever making a
25 suggestion that we should do a benzene study among our

1 patients.

2 Q. (BY MR. PATTON) Okay. Have you ever -- has
3 anyone at those meetings ever suggested that you do
4 that?

5 A. Not that I'm aware of.

6 Q. Would you agree with me that in an area like
7 Houston where there's such a large concentration of
8 refineries, if you and your colleagues here in the Texas
9 Gulf Coast area did that sort of investigation, you
10 might have an improved understanding of benzene as a
11 causative factor in myeloid leukemias?

12 MR. PERRY: Object to form.

13 A. Well, I would have to say that that's been done
14 to a certain extent. In fact, I can remember in the --
15 probably the early '80s, there was a lady from
16 M.D. Anderson who came and collected all my cases of
17 acute myeloid leukemia, including their slides, and she
18 was looking to see where they lived in the Gulf Coast,
19 what type of leukemia they had, and I don't know if that
20 data ever got published or not. But that kind of work
21 has been done.

22 Q. (BY MR. PATTON) But did you note -- do you
23 routinely note on your patients' charts whether or not
24 they've been exposed to benzene?

25 A. If they say that, yes.

1 Q. Do you ask it specifically?

2 A. Yes. I ask them if they've had any solvent
3 exposure, do they have any unusual habits? Do they
4 paint? Do they do welding work? Do they have any --
5 any exposure to unusual pesticides that they spray on a
6 regular basis, yes, we ask those questions.

7 Q. Do you teach other students?

8 A. Yes, we teach students.

9 Q. Okay. So, that's to say, when you do your
10 rounds in the hallway, you might have a group of medical
11 students with you sometimes?

12 A. Yes. Typically on a -- in fact, I just rounded
13 about three months or so ago. We have various levels of
14 residents, internal medicine residents, first, second
15 and third-year residents and a few medical students, in
16 this case, from Weill Cornell Medical School in -- in
17 New York. Yes. We discuss cases.

18 Q. Do you ask them questions? Do you do, like, a
19 Socratic method, a lot of questions and answers to try
20 to quiz them while you're doing that?

21 A. Yes. Some doctors are meaner than others. I
22 would say I'm less mean. In other words, I don't say
23 give me the five causes of this --

24 Q. Sure.

25 A. -- kind of thing. And, so, yes, we do ask them

1 questions.

2 Q. Does any of that conversation with your
3 residents ever involve the cause of their myeloid
4 leukemia -- a patient's myeloid leukemia?

5 A. I'm sure it would. On this particular
6 rotation, we didn't have any acute myeloid leukemias;
7 but that -- I'm sure -- that would come up, in other
8 words. Whatever the illness is, causation, whether it's
9 hepatitis or congestive heart failure or acute leukemia,
10 any of those illnesses, we would discuss causation, as
11 well as therapy.

12 Q. So, you might have a patient with myeloid
13 leukemia and -- and in the question-and-answer session
14 with the resident, might a conversation start about his
15 exposure to work as a refinery worker or chemical
16 exposure?

17 A. Well, it would -- it would come out in the
18 discussion. In other words, if I saw somebody with
19 acute leukemia, I would ask the intern, what's this
20 person's background, what's his occupation, the same
21 kind of questions I ask the patient if I saw them
22 without an intern or a medical student.

23 Q. Have you ever told a patient that your form of
24 myeloid leukemia was more probable than not caused by
25 benzene exposure?

1 A. Yes.

2 Q. Have you ever told a patient that it was more
3 probable or not caused by, say, radiation or chemo
4 drugs?

5 A. Yes.

6 Q. How is it that you drew the conclusion that
7 your given patient, the one that you pointed out, his
8 disease was caused by benzene exposure? How did you
9 reach that conclusion?

10 A. Well, this was a man who had erythro leukemia,
11 which is a form of leukemia that's known to be
12 potentially caused by benzene or other chemicals.

13 Q. I don't see it on the chart. Where is that
14 one?

15 A. That's M6. I'm sorry.

16 Q. Okay.

17 A. And he worked at, I believe, a Cities Service
18 plant in Louisiana and repeatedly he had been in the
19 workplace, found to have a very low white blood cell
20 count, taken away from the workplace and his blood
21 counts recovered. He'd be put back in, and they'd go
22 down again. So, it looked like whatever he was working
23 with was whacking his blood count. And I asked him what
24 he worked with. And he told me that he worked with
25 cycohexene or benzene. He worked with phosphorus

1 compounds. He worked with nickel compounds. I've
2 forgotten all he -- he told me with, but he worked with
3 a lot of potential toxins, benzene being one of them.
4 And, so, I said to him, I think it's highly likely
5 because we know you've had enough exposure to acutely
6 damage your marrow, I think that one or more of those
7 chemicals is a consequence of your leukemia.

8 Q. And importantly, you could look at his blood
9 tests that were being conducted at his workplace and you
10 could see that trend of white cell movement, so to
11 speak?

12 A. Well, I could ask him. In other words, he
13 volunteered that information. I -- I did have some
14 records of that, too, but -- but some of this was his
15 recollection of what had happened to him.

16 Q. Can benzene cause white cells to go up?

17 A. I would hate to say never, but traditionally we
18 think of chemicals as causing leucopenia, or low white
19 counts.

20 Q. Benzene can cause white cells to go down?

21 A. Yes.

22 Q. Can benzene cause red cells to go up?

23 A. Not that I'm aware of.

24 Q. Can benzene cause red cells to go down?

25 A. Yes.

1 Q. What about platelets?

2 A. Yes, benzene can cause platelets to go down.

3 MR. PERRY: Let's take a restroom
4 five-minute break whenever you get to a point.

5 MR. PATTON: Okay. I'm almost to that
6 point.

7 Q. (BY MR. PATTON) Can benzene cause red cells to
8 go up? I'm sorry.

9 A. I think you --

10 Q. Platelets.

11 A. No.

12 MR. PATTON: All right, Doctor. Let's
13 take a break. Off the record.

14 THE VIDEOGRAPHER: Now going off the video
15 record. The approximate time is 11:23.

16 (Recess taken from 11:23 to 11:35.)

17 THE VIDEOGRAPHER: Now back on the video
18 record. Approximate time is 11:35.

19 Q. (BY MR. PATTON) Dr. Natelson, can you estimate
20 for us approximately how many patients you've treated
21 with myeloid leukemias?

22 A. That would be -- be difficult. In other words,
23 for example, right -- currently, following about, I'd
24 say five or six people with chronic myeloid leukemia on
25 various forms of therapy. Over the years I've seen many

1 more than that. I've transplanted two people myself
2 with CML.

3 With acute leukemia --

4 Q. I'm talking about all of them, the whole family
5 of diseases.

6 A. The whole family of diseases?

7 Q. On Exhibit 8 there.

8 A. Oh, I -- I would have to say that if you're
9 including myelodysplasia, myeloproliferative diseases,
10 CML, AML --

11 Q. The whole -- the whole Exhibit 8.

12 A. -- the whole -- it's certainly well in excess
13 of 200. It could be much more than that because in --
14 I've been involved in teaching for many, many years.
15 And, so, I follow some of my own patients and see
16 everybody else's, too. And, so, it's been a very large
17 number. These are not common illnesses, but for
18 hematologists, they're frequently seen.

19 Q. Well, especially over 35 years, right?

20 A. Yes, I've seen many, many such patients.

21 Q. Okay. A thousand or more, would you estimate?

22 A. I really couldn't put a number on it. Let's
23 put it this way. I've done at least 7,000 bone marrows
24 in my career and all of those were on somebody and --
25 and a lot of them didn't have acute myeloid leukemia or

1 one of these neoplasms but a number of them did. And,
2 so, it's just many. I couldn't put a number on it.

3 Q. You said bone marrows. Is that when you do a
4 bone marrow aspiration, a biopsy? You get that --

5 A. Yes.

6 Q. -- that big needle and you put it in
7 somebody's --

8 A. Oh, it's not that big. As I tell my patients,
9 it doesn't hurt at all. Of course, I'm talking about
10 me. But, no, actually bone marrows, if they're done
11 properly, are not very painful. And -- and as I say,
12 I've done 7,000 in my career.

13 Q. Raul hates them.

14 A. Does he?

15 Q. And maybe he just needs to have someone else
16 doing them.

17 A. I don't know. I mean, I must say that it takes
18 me about five minutes to do one; and it's been a rarity
19 that a patient has any sort of complaint afterwards.

20 Q. Okay. So, of the hundreds, can we say hundreds
21 of patients --

22 A. Yes.

23 Q. -- who you've seen with myeloid leukemias?

24 A. Yes.

25 Q. Of the hundreds you've seen, how many have you

1 concluded and told that patient, hey, benzene is a
2 factor in your disease or probably a factor?

3 A. I recall that particular patient.

4 Q. Recall one?

5 A. It's an unusual patient. I've certainly seen
6 others that I've told that to, but I -- as we sit here,
7 I can't remember; but I'm -- I'm certain that I have.
8 But that one stands in my mind because we did a number
9 of unusual studies on his case and I have photographs of
10 his bone marrow and a lot of information about him.

11 Q. Is there a certain amount of benzene exposure
12 that you would like to see before you give an opinion
13 that benzene is a -- a causative -- plays a causative
14 role in someone's myeloid leukemia?

15 A. Well, we usually look for cumulative exposure,
16 whether it's chemotherapy or benzene, because that seems
17 to be more closely related to disease.

18 Q. Is there a cumulative threshold, a line, so to
19 speak, above which you conclude, hey, benzene is a
20 causative factor?

21 A. Well, in many cases -- in the case of
22 chemotherapy, we --

23 Q. I'm asking about benzene.

24 A. I understand. But I -- I have to preface that.
25 In the chemotherapy, we have very accurate estimates,

1 because we know Mr. Brown sat down at high noon and he
2 got so much injected into his vein. There's no argument
3 about what he got.

4 In the case of benzene, we have estimates,
5 which are often guesstimates. And, so, when someone
6 says they have 40 part per million exposure, it might be
7 20 or it might be 80. And, so, we just know it's high.
8 And, so, my -- my philosophy about that is it needs to
9 be a lot of exposure. If one wanted to put a number on
10 it, it would need to be a cumulative dose of greater
11 than 40 part-per-million years because we know that the
12 studies below that don't suggest an increase in AML.

13 Q. It is your opinion that a patient must have
14 above 40 part-per-million years before you can conclude
15 that benzene was a causative factor. Is that your
16 testimony?

17 A. Yes, that would be my testimony.

18 Q. Okay. And then you mentioned chemo drugs.
19 What -- instead of a part per million year, or a PMM,
20 how do you measure those chemo drugs?

21 A. In milligrams.

22 Q. Okay. Does the patient have to tell you, hey,
23 Doc, I had X amount of milligrams of these chemo drugs
24 before you can draw that conclusion?

25 A. Well, you can calculate that. In other words,

1 chemotherapy is often very standardized, whether it's
2 breast cancer or Hodgkin's disease; and when you give a
3 person a course of chemotherapy, there's a pretty fixed
4 number of milligrams per kilogram body weight. And, so,
5 you can have a pretty close idea. If someone said I had
6 six courses of chemotherapy, I can tell you to the
7 milligram pretty much what they had.

8 Q. And you can go to the chart and you can add up
9 exactly how many milligrams they had?

10 A. That's correct.

11 Q. Okay. And then you can draw the conclusion
12 pretty quickly because, as you said, he's sitting there
13 at high noon, taking the chemo drugs?

14 A. Yeah.

15 Q. You can say, hey, this chemo definitely caused
16 it because you don't have to estimate anything, right?

17 A. Well, I still can't say the chemotherapy
18 definitely caused it. I can just say that he's had
19 enough milligrams of whatever the particular drug is
20 because it varies a lot in terms of the number of
21 milligrams necessary to predispose to AML. But I can
22 say that this person has had enough exposure to put them
23 into the arena where it's highly likely that the -- that
24 that chemotherapy was the -- that the AML was a
25 consequence of that chemotherapy.

1 Q. Well, how about these patients who've come to
2 you with what you have told them, hey, benzene is a
3 causative role in your leukemia? Have they been able to
4 give you the dose of PPMs of benzene that they received?

5 A. No. But they've been able to tell me what they
6 did, how they worked with benzene, what were they
7 working with.

8 Q. Okay. And did they tell you -- how -- how
9 would they describe it?

10 A. Well, they might say, I used pure benzene to
11 wash off parts of my tools. Or they might say, I was
12 engaged in manufacturing products where benzene was a
13 raw product that went into the manufacturing.

14 Q. Products like gasoline, right?

15 MR. PERRY: Object to form.

16 A. It depends on what they did. If they were just
17 transporting it, the gasoline was already concocted. In
18 other words, what -- what they -- what they did in the
19 process, what were they engaged in making.

20 Q. (BY MR. PATTON) But do they give you a dose?
21 Do they give you a dose comparable to when you look at
22 someone's chart with chemo drugs?

23 A. No, they would not.

24 Q. Okay. And then do you require them to go back
25 and hire an industrial hygienist and take wind

1 measurements and other calculations before -- and then
2 come back with a piece of paper, hey, Doc, I got X
3 amount of PPM-years. Do you require that of your
4 patients before you give them that opinion?

5 A. No.

6 MR. PERRY: Object to form.

7 Q. (BY MR. PATTON) You can draw conclusions based
8 on your understanding of the -- sort of the nature and
9 duration of someone's exposure to benzene or
10 benzene-containing chemicals. You can draw upon that
11 information and reach conclusions that, hey, benzene
12 might be a causative factor?

13 MR. PERRY: Object to form.

14 A. Well, not so much their -- as I said, in the
15 case that I thought clearly that chemicals caused it, I
16 had evidence of blood count damage; and I might have
17 that, because in many industries patients get routine
18 physical examinations.

19 Q. (BY MR. PATTON) Would you agree with me that
20 routine physical examinations and routine blood counts
21 are helpful for physicians like yourself to determine
22 whether benzene was a possible role in someone's
23 leukemia?

24 A. Yes, I would say that's true.

25 Q. Would you agree with me that regular blood

1 counts are helpful in protecting workers who have had a
2 little bit of bone marrow damage such that you can
3 protect those workers by getting them away from the
4 benzene? Is that fair?

5 A. One can argue with that. That's reasonable.

6 Q. For instance, if this guy who you mentioned
7 from Louisiana came in and you started seeing his counts
8 in his blood tests, you could scratch your head and say,
9 hey, don't go back to that job, right?

10 A. Yes.

11 Q. Okay. You would agree with me that regular
12 blood counts are helpful in protecting workers from the
13 harmful effects of benzene exposure?

14 MR. PERRY: Object to form.

15 A. That would be -- yes, that would be one form of
16 protection, yes.

17 Q. (BY MR. PATTON) Okay. What about urine? Can
18 you detect benzene in the urine?

19 A. Well, you can detect metabolites; but they
20 don't last very long. And, so, I wouldn't say that
21 that's a very accurate way of knowing whether somebody
22 had a cumulative exposure that was of consequence.

23 Q. Okay. You believe that the cumulative exposure
24 to be of consequence must be above 40; is that right?

25 A. Yes, meaning that when -- when someone tells me

1 the cumulative exposure was 40, it could really be 80;
2 or it could even be higher than that. In other words,
3 I'm looking for a very high, cumulative exposure.

4 Q. Well, in this case we have evidence that Raul's
5 cumulative exposure was around 25.

6 MR. PERRY: Object to form.

7 Q. (BY MR. PATTON) It could have been 50, correct?

8 MR. PERRY: It could have been zero.
9 Object to form.

10 A. I don't know. I can't -- I can't comment about
11 what his exposure was. I'm not an industrial hygienist
12 or a -- or a person who models those types of exposures.

13 Q. (BY MR. PATTON) For each of your patients who
14 you concluded benzene played a causative role, did you
15 have part-per-million-year cumulative dose information
16 at your fingertips?

17 A. No.

18 Q. You did a qualitative assessment where you
19 assessed the quality of the information, what was known
20 about their exposure; and you used that type of
21 assessment to draw your conclusion?

22 A. In part, yes, qualitative and whatever blood
23 count material they provide me.

24 Q. Not just for the one guy but for the others who
25 you've given that opinion, right?

1 A. Yes. And actually, you know, when we talked
2 about that, it's come to my mind that I have seen other
3 people who have had the same phenomenon of working in an
4 industry and having reduced blood counts; and I have
5 advised them to stay out of that arena.

6 Q. Because you recognize that benzene can cause
7 myeloid leukemias?

8 MR. PERRY: Object to form. Not specific.

9 A. Well, I recognize that, yes, that is certainly
10 true, benzene can cause certain types of leukemia, AML
11 and certain forms of myelodysplasia.

12 Q. (BY MR. PATTON) Is there a safe level of
13 exposure to benzene?

14 A. Well, obviously, the -- we have benzene in
15 bananas and no people -- nobody would think eating a
16 banana gives a person acute leukemia. So, all we can
17 say is safe from what? And if you're talking safe from
18 acute myeloid leukemia, I think the literature suggests
19 that levels below 40 part per million year cumulative
20 dose would not be suggestive of causation of AML.

21 Q. Do people experience damage to their bone
22 marrow before it reaches full-blown AML?

23 A. Well, certainly people can have myelodysplasia
24 and then that can eventuate in AML.

25 Q. Have you ever had a patient come in and you've

1 recognized that benzene was a possible causative factor
2 in their disease, did you ever tell them to go home and
3 don't eat bananas?

4 A. I didn't tell them to go home and not eat
5 bananas.

6 Q. Well, you said -- benzene is in bananas.

7 A. It is. There is benzene in many foods, bananas
8 being one of them that happens to be high in benzene;
9 but we're talking trivial doses, very tiny doses.
10 That's why I say, you know, the dose to me to cause AML
11 needs to be extraordinarily high.

12 Q. Well, you're talking about a cumulative period,
13 where you want to see 40 --

14 A. That's correct.

15 Q. -- forty PPM.

16 A. Yeah.

17 Q. What about, like, on a daily period? What do
18 you consider to be a safe level of exposure to
19 benzene --

20 A. Well --

21 Q. -- when someone is at work with chemicals?

22 MR. PERRY: Object to form.

23 A. Well, there are limits that have been set by
24 government agencies of, you know, 1 part per million
25 daily exposure. And that's reasonable, but I -- as I

1 say, that's not my field to determine the measurements
2 of those in a particular person's occupation.

3 Q. (BY MR. PATTON) Well, but -- but it is sort of
4 within your field because you're saying you need to see
5 a 40-part-per-million-year cumulative dose. And I'm
6 asking you on a more short-term basis, okay, on a daily
7 basis. I'm not asking you what government thinks. I'm
8 not asking you what a PEL or a TLB is. I'm asking what
9 you think, as Dr. Natelson, as an expert for Shell, is a
10 safe level of daily exposure to benzene?

11 MR. PERRY: Just one second. He's
12 answered the question. He told you it's not his area.
13 You may not like the answer, but he answered the
14 question. He can answer it again, but you've already
15 asked it and he's already answered it.

16 Q. (BY MR. PATTON) Let me try to ask it again in a
17 different way.

18 Doctor, do you have any opinion on what a
19 safe exposure level to benzene is on a daily basis for
20 someone working with chemicals?

21 MR. PERRY: Same objection.

22 A. No.

23 Q. (BY MR. PATTON) You don't have an opinion on
24 that?

25 A. No. I have an opinion on the cumulative dose

1 that related to AML, but individual daily doses, I know
2 what the requirements are, but if a person is over that
3 requirement for 24 hours, I -- I can't comment about
4 that. I don't know that that would be of -- of
5 importance.

6 Q. If someone is being exposed to benzene in their
7 daily work, would it appear in the daily -- or would it
8 appear in regular blood tests?

9 MR. PERRY: Object to form.

10 A. Probably not unless it was a very high number.

11 Q. (BY MR. PATTON) Well, what number would it have
12 to be on a daily basis for it to appear in regular blood
13 tests?

14 A. I would have to look that up. I think that
15 information is known. I believe that when you get
16 something like an acute 20- to 40-part-per-million
17 exposure, you can get a reduction -- significant
18 reduction in the white count, but I'm sure that
19 information is out there, but I don't have it at my
20 fingertips.

21 Q. Have you -- I'm going -- I'm going to give you
22 a number -- new topic here, okay? The number is 50,
23 okay? Would you estimate that you have told more than
24 50 or less than 50 patients that benzene was a factor in
25 their leukemia? Any type of leukemia.

1 A. Less than 50.

2 Q. Less than 50. Would you estimate -- how
3 many -- well, first of all, you've testified as an
4 expert in cases involving benzene exposure, right?

5 A. Yes.

6 Q. You have always testified on the side of
7 industry, including Shell, correct?

8 A. Yes.

9 Q. Same number, 50, can you estimate if you have
10 given the opinion more than 50 times or less than 50
11 times that benzene was not a cause of someone's
12 leukemia?

13 A. Well, it would be less than 50 because many of
14 these cases don't have anything to do with -- with acute
15 leukemia, chronic or acute. They have to do with
16 illnesses, like myeloma or lymphoma, other illnesses of
17 which benzene is not causative.

18 Q. Well, let me -- let me approach this in a
19 different way, then. We're setting the number at 50.
20 How many patients with any form of disease, okay,
21 myeloid, non-Hodgkin's lymphoma, what have you, above 50
22 or below 50, how many patients of yours have you told
23 they had a benzene-related disease?

24 A. Below 50.

25 Q. Below 50.

1 Have you testified more than 50 times that
2 someone's disease was not caused by benzene?

3 A. I'd have to count that up. I don't know.
4 It's -- it's possible. We have that list of cases.
5 Now, many of them are malpractice cases and other
6 things. They may not all be benzene cases.

7 Q. Okay. We'll go through your chart in a little
8 while.

9 Is it your opinion in this case, Doctor,
10 that Raul Zendejas' leukemia is not related to benzene
11 exposure?

12 A. It is my opinion that his original illness,
13 which is atypical CML or Philadelphia chromosome
14 negative CML, I do not believe that illness can be
15 caused by benzene exposure.

16 Q. Did he have CMML as well?

17 A. CMML? No. That's a separate illness.

18 Q. Did he have AML?

19 A. He had -- he -- he developed a -- blasts in his
20 blood. And, so, he had blasts as a consequence of his
21 underlying atypical CML. That's often referred to as a
22 blast crisis.

23 Q. Did he have AML?

24 A. I wouldn't call it AML.

25 Q. Would you recognize that some other

1 practitioners might call it AML?

2 MR. PERRY: Object to form.

3 A. Yes.

4 Q. (BY MR. PATTON) Is it your opinion that benzene
5 was not a causative factor in Raul's blast crisis or
6 AML?

7 A. Correct. It was not a causative factor because
8 that's the natural history of this illness.

9 Q. Now, what do you mean "the natural history"?

10 A. The natural history of people with CML or
11 atypical CML is, depending on the treatment, is to
12 develop a blast crisis over a variable period of time.

13 Q. They can develop AML?

14 A. If you like. They can develop lymphoid blast,
15 too. They can develop an acute illness characterized by
16 an outpouring of immature cells from the bone marrow
17 that we commonly refer to as a blast crisis, either
18 myeloid or lymphoid; and as I've said repeatedly, some
19 might call that an AML syndrome, some might not because
20 its behavior is different than an AML syndrome.

21 Q. Someone can come in as a patient and be
22 diagnosed with any one of those forms of myeloid disease
23 on your chart in front of you, correct?

24 A. Yes.

25 Q. Okay. And someone could possibly then move to

1 AML or move to blast crisis, correct?

2 A. Yes. They could move to AML from forms of
3 myelodysplasia, forms of atypical CML or other
4 myeloproliferative diseases.

5 Q. Is it your opinion that unless they come into
6 that chart with AML, there's just no way benzene was a
7 factor?

8 MR. PERRY: Object to form.

9 A. Well, I think I've said, if they come in with
10 myelodysplasia, that can be a benzene-related illness,
11 certain forms of myelodysplasia; and they can eventuate
12 in AML.

13 Q. (BY MR. PATTON) What about myeloproliferative
14 disease, MPD, can that become AML?

15 A. M -- yes, it can become AML. And
16 myeloproliferative diseases have not been related to
17 benzene exposure.

18 Q. Is it your opinion that benzene played zero
19 role in Raul's myeloid leukemia?

20 A. It is my opinion that benzene exposure played a
21 zero role.

22 Q. I mean, if we're on a scale here, you're pretty
23 far on the end. You're saying zero. It didn't have
24 1 percent factor. It had nothing to do with his
25 leukemia?

1 A. I don't believe it had anything to do with his
2 leukemia.

3 Q. Do you agree with me that Raul was exposed to
4 leukemia?

5 A. You misspoke.

6 MR. PERRY: Object to form.

7 Q. (BY MR. PATTON) I'm sorry. Was exposed to
8 benzene.

9 MR. PERRY: Object to form.

10 A. He was exposed to gasoline, which has benzene
11 as a component.

12 Q. (BY MR. PATTON) Is it your opinion in this case
13 that Raul's exposure levels to benzene, whatever they
14 were, were not enough to cause his myeloid leukemia?

15 MR. PERRY: Object to form.

16 A. That would be true. I don't know what his
17 levels would be, but I do not believe that they caused
18 him to have his blast crisis.

19 Q. (BY MR. PATTON) Do you believe -- do you have
20 any opinions as to what may have caused Raul's disease?

21 A. The cause of atypical -- the cause of atypical
22 CML and its natural progression toward an AML-like
23 syndrome is unknown.

24 Q. Do all diseases -- do all myeloid diseases
25 which end up AML, don't they go through some other phase

1 of one of the other diseases on your chart there?

2 MR. PERRY: Object to form.

3 A. No. In many cases acute myeloid leukemia, one
4 week you don't have it, the next week you do.

5 Q. (BY MR. PATTON) But aren't there cell changes
6 going on that if you catch a snapshot of those cells
7 before they've gotten to the point of AML diagnostic
8 criteria, you would catch them at different levels,
9 correct?

10 A. Well, as I said -- and we know a lot of this
11 from people who have relapsed. They've had AML and then
12 they go in remission and then relapse. It's remarkable
13 how rapidly AML can take over a normal bone marrow. And
14 that's why I say, a person can have a perfectly normal
15 bone marrow and blood count one week, and two or three
16 weeks later they can have florid AML with 80 percent
17 blasts in their bone marrow.

18 Q. What are the -- what are the blood counts that
19 a normally healthy individual should have?

20 A. Well, the normal total leucocyte count, or
21 white count, is said to be around 5 or 10,000.

22 Q. Okay. White count, 5 to 10,000?

23 A. And that's somewhat racial because black
24 individuals have white counts on average 1500 cells less
25 than -- than white people. So, I commonly would see a

1 black person with a 3500 white count. That would be
2 normal for them.

3 Q. What about red?

4 A. The red count -- the -- normal for a man would
5 be hemoglobin of, let's say, 14 or so; and that in part
6 depends on where you live. If you lived in Denver where
7 the oxygen level is low, the normal hemoglobin might be
8 16. In Houston, it's about 14.

9 Q. What about platelets?

10 A. The normal platelet count is generally given as
11 150 to about 250,000.

12 Q. Okay. Now, if someone has AML, what white
13 counts -- what -- what range of white count would you
14 see or expect to see?

15 A. Well, it depends on the phase of the illness.
16 For example, what happens in AML is you suddenly catch
17 the disease and the bone marrow starts to fill up with
18 blast cells; but blast cells have difficulty in escaping
19 the bone marrow. So, the first manifestation is a low
20 white blood cell count.

21 Q. What numbers?

22 A. You might get a white cell count of 1900.

23 Q. Okay. Now, let me ask you this: Do the white
24 cells just go from, say, 5,000 to 1900? That's to say
25 the, was it, 3100 white cells just automatically

1 disappear; or do they move down to a lower level where
2 they end up at 1900?

3 A. Well, they -- they move down, depending how
4 fast the leukemic process is ongoing in the bone marrow.
5 As I said, you're -- in the case of the white blood
6 cells, you're replacing them normally three times a day.
7 So, if you suddenly fill up your bone marrow with blast
8 cells, your white count falls pretty dramatically very
9 fast. And then once the bone marrow fills up with those
10 blast cells and they do come spilling out, then suddenly
11 the white cell count rises and can go well over a
12 hundred thousand.

13 Q. There is a transformation, there are
14 manifestations going on in the cells before the disease
15 reaches full-blown AML, correct?

16 A. Well, that's obviously so. In other words,
17 something happened to favor reproduction of a clone of
18 cells that are leukemic. And in many cases we don't
19 have a clue as to what that -- what happened.

20 Q. Benzene can be the thing that makes that
21 happen, though, correct?

22 MR. PERRY: Object to form.

23 A. Well, benzene is a -- is a known potential
24 cause of AML. So, benzene exposure at certain levels
25 can cause damage to cells that may eventuate in AML.

1 Q. (BY MR. PATTON) I thought you said benzene can
2 cause MDS as well, right?

3 A. Yes, it can.

4 Q. Okay.

5 A. It can cause MDS, too.

6 Q. And is it your opinion, Doctor, that someone
7 cannot catch a bone marrow disease in between normal and
8 AML, such that we can't tell whether or not someone is
9 progressing towards AML?

10 MR. PERRY: Object to form.

11 A. Oh, I think I understand your question a little
12 better when you said it that way.

13 Q. (BY MR. PATTON) And let me -- let me try to ask
14 it a little bit differently, okay? If you take a
15 snapshot of a normally healthy individual today and you
16 say, okay, this person is healthy. And then you go six
17 months from now; you take a snapshot of them; you say,
18 boom, they have AML, okay? In between that process,
19 they are progressing through these other myeloid
20 diseases, correct?

21 MR. PERRY: Object to form.

22 A. No. In certain circumstances, yes. I'll
23 try -- I can clarify that. In the case of what we call
24 de novo AML, very characteristically we see no changes
25 in the blood count until, bingo, we have AML. If we

1 have an illness that's chemical related --

2 Q. (BY MR. PATTON) Like benzene related?

3 A. Like benzene related or chemotherapy related,
4 about two-thirds of the time we go through a phase of
5 myelodysplasia, where the --

6 Q. Did Raul go through a phase of myelodysplasia?

7 A. No. He's never had myelodysplasia.

8 Q. Okay.

9 A. We see a period of myelodysplasia, which is
10 usually characterized by an advancing anemia, would be
11 the most common phenomenon, sometimes associated with a
12 low white count and low platelet count; and we may see
13 that get worse and worse over time until leukemia
14 eventuates.

15 Q. Did Raul have a myeloproliferative disorder --

16 A. Yes.

17 Q. -- MPD? He did have MPD?

18 A. Yes.

19 Q. Does MPD naturally progress to AML?

20 A. It can.

21 Q. It can progress to AML.

22 Does benzene cause MPD?

23 A. No.

24 Q. Benzene causes AML, though, yes?

25 A. It may.

1 Q. Okay. What do you believe to be the disease
2 that Raul had? Where does he fit in on the chart?

3 A. He has a myeloproliferative disease, or these
4 days it's been changed to myeloproliferative neoplasm,
5 MPN. So, you can call it MPD or MPN. And his
6 particular form of MPD or MPN is Philadelphia chromosome
7 negative CML or atypical CML.

8 Q. And then he also had blast crisis AML?

9 A. He also developed a blast crisis as part of the
10 natural history of that illness.

11 Q. Is MPD on your chart? MPD is a myeloid
12 leukemia or a myeloid disease, right?

13 A. Myeloproliferative disease would be -- would
14 be -- or myeloproliferative neoplasm -- would be a
15 myeloid disease, yes.

16 Q. Is blast crisis on your chart? Would that be a
17 myeloid disease?

18 A. It could be, if it's a myeloid blast crisis; or
19 it could be a lymphoid blast crisis.

20 Q. What did Raul have?

21 A. He had a myeloid, I believe.

22 Q. Is that a myeloid disease?

23 A. Yes, it involves myeloblasts.

24 Q. Can we write -- is it fair to write "blast
25 crisis" over by the AMLs, or where would you put them?

1 A. Well, I wouldn't put it -- call it as an AML
2 because the biologic characteristics of a blast crisis
3 are very different than AML. I would call it blast
4 crisis.

5 Q. Okay. Let's put it on there.

6 A. Let's put it over here.

7 Q. Your natural tendency seem to be to put it over
8 there by AML, as compared to the --

9 A. Well, because it's characterized by a lot of
10 blasts, as AML is.

11 Q. Fair enough.

12 A. So, it has similarities with AML.

13 Q. AML is a potential -- is potentially a deadly
14 cancer, correct?

15 A. Yes.

16 Q. Atypical CML, potentially a deadly cancer,
17 right?

18 A. Yes.

19 Q. What are the treatment options for someone with
20 myeloid leukemia? Bone marrow transplant, that's one of
21 them?

22 A. Well, with acute myeloid leukemia, we -- we
23 know off the bat a little bit about the situation based
24 on the chromosome analysis. In other words, we know
25 that if they have either no chromosome abnormalities,

1 which about 40 to 50 percent of cases don't have that,
2 or if they have particular chromosome abnormalities that
3 are very unusual -- have unusual susceptibility to
4 chemotherapy, we might cure them with chemotherapy
5 alone. So, our first line might be chemotherapy in an
6 AML.

7 Q. Was Raul Zendejas treated with chemotherapy?

8 A. Of a form. He was treated with Hydroxyurea,
9 which is an oral medicine that we don't much use for
10 acute myeloid leukemia.

11 Q. Do you have an opinion on whether or not that
12 Hydroxyurea caused his AML or his blast crisis?

13 A. No. There is some evidence, limited evidence
14 that long-term use of Hydroxyurea predispose to AML in a
15 patient with myeloproliferative disease; but he just had
16 short-term use of that drug. I don't think it had
17 anything to do with his blast crisis.

18 Q. Raul received a bone marrow transplant,
19 correct?

20 A. Yes.

21 Q. Is that common or typical for folks who have
22 his disease progress?

23 A. Well, that's an interesting question. It's
24 common in people with AMLs. I would think that's
25 probably the most -- the usual circumstance where

1 someones tries to transplant a person.

2 In CML-like illnesses, transplantation
3 used to be the standard curative care; but with the
4 advent of the modern drugs, that's done much less
5 frequently.

6 Atypical CML, however, if a person is --
7 is young enough, a marrow transplant would be the best
8 form of therapy.

9 Q. Same thing for AML, marrow transplant would be
10 the best form?

11 A. Yes, in many cases AML is the best form to --
12 to try to get a cure. And even with a marrow
13 transplant, cures are not as frequent as you might
14 think; but -- but they are useful.

15 Q. In Raul's case, he underwent a bone marrow
16 transplant and then went into remission and underwent a
17 second bone marrow transplant; is that right?

18 A. Yes.

19 Q. Do you have any opinions, Doctor, as to what
20 his chance of survival was before the first bone marrow
21 transplant, after the first one, and then after the
22 second? Do you have any opinion on those?

23 A. Well, only in general terms. In other words,
24 we would think atypical CML is -- without a marrow
25 transplant, you would have to say that it's an

1 ultimately fatal illness. It's not always ultimately
2 fatal by conversion to blast crisis, but it's ultimately
3 fatal. You may die of bleeding from a low platelet
4 count or other reasons. So, he had a fatal illness
5 without the transplant.

6 Q. And he was treated like AML, correct?

7 A. In terms of a transplant, a transplant is a
8 transplant. The preparative regimens would be similar
9 regardless of what you're transplanting him for.

10 So, he was -- he was given a transplant,
11 and I believe his preparative regimen was about the same
12 as the type I used in the 1980s. It was a standard
13 preparative regimen for a transplant. And -- and what
14 you expect to see is a person to get a take of the
15 transplant, hopefully not to get too much graft-vs.-host
16 disease and go into remission.

17 Q. Did you see where Raul had graft-vs.-host
18 disease?

19 A. Yes, he did have some graft-vs.-host disease.
20 And that's actually considered a good thing because the
21 graft-vs.-host disease suppresses the leukemic cells or
22 the malignant cells; but you just don't want too much of
23 a good thing. And then he had a second transplant. And
24 in general, if you were taking people and transplanting
25 them for, let's say, CML, typical or atypical, you might

1 get people into remission perhaps 70, 80 percent of the
2 time; but over time those patients will relapse, even in
3 typical CML. And, so, if you look at the people over
4 many years who have had transplants with -- with CML,
5 let's say, and you look, let's say, ten years out, I
6 believe the latest figures I've seen are about
7 60 percent of them are still alive.

8 Q. Have you ever given a patient two bone marrow
9 transplants?

10 A. I personally have not, but some of my patients
11 have had -- that I've referred elsewhere for
12 transplants.

13 Q. Is it uncommon to give two bone marrow
14 transplants?

15 A. I would say -- I can't tell you the frequency.
16 I'm not a transplanter full time. But I would say it's
17 obviously less common to give two than to give one.
18 And -- and patients have had three transplants. But
19 what happens is you run out of gas somewhere along the
20 line. You can't get away with doing this kind of
21 treatment over and over to people.

22 Q. Raul comes in; and he's diagnosed with atypical
23 CML, correct?

24 A. Yes.

25 Q. If not for the first transplant, would he have

1 probably died?

2 A. Yes.

3 Q. And what would he have died of?

4 A. Well, what most people with acute leukemia die
5 from, because they have very low platelet counts,
6 they're susceptible to bleeding and to infection. So,
7 it wouldn't be the leukemic cells, per se, infiltrating
8 an organ and causing liver failure or something like
9 that or heart failure. It would be because lack of
10 normal white cells predisposed to a major infection and
11 the person died or lack of platelets predisposed to
12 bleeding and they bled in their head and died.

13 Q. My point is this, Doctor -- we're going to go
14 through some of these studies in a little while, okay?

15 A. Yes.

16 Q. If Raul had not received the first bone marrow
17 transplant, would he have died of AML?

18 A. Well, what the articles say is there's about a
19 30 percent incidence of AML-like-disease blast crisis in
20 people with atypical CML. So, you would say he would
21 have a 30 percent chance of dying with AML at a -- and a
22 70 percent chance of dying from the disease with some
23 other manifestation of it.

24 Q. It could become a form of MDS?

25 A. I don't think it would become a form of MDS;

1 but he would have died from cytopenia, most likely.

2 Q. If Raul were to die after the bone marrow
3 transplant, the first one, would he have died of AML?

4 A. He could have died of graft-vs.-host disease.

5 Q. What about after the second bone marrow
6 transplant, would he have died of AML?

7 MR. PERRY: Object to form.

8 Q. (BY MR. PATTON) Could he have died of AML?

9 A. Yeah, he certainly could have, yes.

10 Q. Okay. So, in some of these studies, Raul might
11 be called an AML, right?

12 MR. PERRY: Object to form.

13 A. Some people might call him an AML, yes.

14 Q. (BY MR. PATTON) Okay. As far as your -- your
15 opinion that it takes a 40-part-per-million-year
16 cumulative dose to cause benzene -- or to cause a
17 benzene-induced leukemia -- that is your opinion,
18 correct?

19 A. Yes.

20 Q. Okay. Who would agree with you and who would
21 disagree with you on that opinion?

22 MR. PERRY: Object to form.

23 A. I don't know. I've referenced some articles,
24 for example, one study by -- well, I've referenced, I
25 think in this case, a study by Bloemen; and they had

1 people working with benzene-specific reactions and their
2 people had a mean exposure of 39 point, let's say, 9
3 part per million years and they didn't have a
4 statistically significant increase in AML. So, in that
5 particular study, I guess they would agree with me, that
6 you would have to have higher than that.

7 Q. (BY MR. PATTON) Are there people out there who
8 disagree with you as to that threshold?

9 A. I'm sure there are.

10 Q. And are there people out there who believe
11 there is no safe level of exposure to benzene?

12 A. I think there likely are, although I think
13 that's kind of an irrational statement.

14 Q. Individual susceptibility may vary when we talk
15 about someone's chances of getting a myeloid leukemia
16 from benzene exposure. True statement?

17 A. That would be speculation.

18 Q. Would you agree with me that some people are
19 just more susceptible to feel or receive the harmful
20 effects of benzene than others are?

21 MR. PERRY: Object to form.

22 A. There's no evidence for that. Some -- I think
23 one article by Richardson suggests that there's a
24 difference in susceptibility based on age. I think
25 that's very soft data. I think that if you look at our

1 chemotherapy data, you don't see that. In other words,
2 if you look at the number of milligrams of Cytosan it
3 takes to catch AML after breast cancer, it's related to
4 the number of milligrams, not the -- not the age of the
5 patient or anything else about the patient.

6 Q. (BY MR. PATTON) So, if we see in certain
7 studies, certain government literature, even certain
8 documents from Shell, where it says "No safe level of
9 exposure to benzene," individual -- "individual
10 susceptibility may vary," you disagree with those
11 statements, correct?

12 A. Well, I say, if you take the position that
13 there's no safe level, that would mean we can't eat
14 bananas anymore. So, that's why I say it's an
15 irrational comment.

16 In terms of the fact that there is
17 different individual susceptibility, that may be so. I
18 don't disagree that that's so. I would just say there's
19 no evidence for that, proven evidence.

20 Q. Would you agree with me that there are studies
21 out there that deal with the myeloid leukemias in
22 general and they do find a statistically significant
23 connection between myeloid leukemias and benzene
24 exposure at cumulative doses below 40 part per million
25 years?

1 MR. PERRY: Object to form.

2 Q. (BY MR. PATTON) Do you agree with that?

3 MR. PERRY: Object to form.

4 A. There may be such studies out there. Some
5 would have -- not be statistically significant. And
6 there are some studies out there that find no acute
7 leukemia with higher than 40-part-per-million-year
8 exposures.

9 Q. (BY MR. PATTON) There are studies out there
10 that support the position that -- that less than 40 part
11 per million years can cause leukemia, right?

12 MR. PERRY: Object to form.

13 A. That's probably true.

14 Q. (BY MR. PATTON) Okay. When you reached your
15 conclusion, your opinion, that it takes
16 40-part-per-million-year cumulative dose, how did you --
17 how did you rationalize, dealing with those studies out
18 there that show a number less than that, when you formed
19 your opinion?

20 A. Well, because my opinion is based on the
21 overall findings in the literature; and I think that
22 there are enough studies out there suggestive that you
23 only see statistical significance with higher than --
24 than 40 part per million years and I think that that is
25 the position taken. I think one of the papers I

1 reference is -- is a government agency position. They
2 use that same number. I think that you have to look at
3 all of the evidence and the numbers of patients in the
4 studies, how well the studies were done --

5 Q. What if --

6 A. -- and so on.

7 MR. PERRY: Wait, wait. Let him finish.

8 Q. (BY MR. PATTON) I'm sorry. I didn't mean to
9 cut you off, Doctor.

10 A. No, go ahead.

11 Q. What if a study is not statistically
12 significant? Is it meaningless? Should we just throw
13 it away? Or how do we deal with that in -- or how do
14 you deal with that in forming your opinions for
15 something like this case?

16 A. Well, what you're looking for in epidemiologic
17 studies, because there is always all sorts of bias in
18 epidemiologic studies, you're looking for a --
19 repetitive findings. In other words, in the case of
20 cigarette smoking and AML, there are repetitive
21 findings. Some of them don't show a great excess of AML
22 with cigarette smoking; but when you look at the bulk of
23 the studies, there's general agreement that, whereas,
24 you don't see the incidence of -- like you do with lung
25 cancer from smoking, but you do see ultimately a

1 statistically significant increase in AML from cigarette
2 smoking.

3 Q. Those studies -- that's a good topic that you
4 bring up, smoking. Those studies that deal with myeloid
5 leukemias and smoking, do they talk about how much
6 someone needs to smoke before it's a causative factor?

7 A. Well, in the Surgeon General's report, he says
8 a 20-pack-year history predisposes to a doubling of the
9 risk.

10 Q. Twenty pack years, doubling of the risk of --

11 A. AML.

12 Q. -- of AML.

13 Is that your opinion?

14 A. Well, I -- I would say that what the literature
15 suggests is that if you're a smoker, the studies -- I
16 think Dr. Estey of M.D. Anderson in a Lancet review said
17 the studies have varied from about a 1.7 to about a 2.3
18 risk increase with -- with smoking and generally that
19 would be someone who has had about a 20-pack-year
20 history.

21 Q. Does the body of literature that deals with
22 smoking and its connection to AML, does it factor in the
23 brand of cigarette?

24 A. Not that I've seen.

25 Q. Does it factor in what year the cigarette is

1 from? Like the '70s, as compared to the '90s?

2 A. I would have no idea.

3 Q. Do they factor in whether they're lights or
4 menthols?

5 A. I would have no idea.

6 Q. Do the studies on smoking deal with whether or
7 not they're 100s or regulars?

8 A. I would have no idea.

9 Q. Do they deal with whether they're filtered
10 cigarettes or nonfiltered cigarettes?

11 A. I would have no idea.

12 Q. Do the smoking studies include cigars?

13 A. I don't -- no, I think it's primarily cigarette
14 related.

15 Q. Okay. Do they deal with the manner of smoking,
16 for instance, how many puffs someone takes?

17 A. No.

18 Q. Do those studies factor in how long someone
19 holds in the smoke?

20 A. No.

21 Q. Have you ever seen people who smoke, but they
22 don't really inhale? They just take it in and blow it
23 out. Have you ever seen that?

24 A. Yes.

25 Q. Do the studies take into account that?

1 A. No.

2 Q. Do the studies factor in whether or not someone
3 blows the smoke out their nose?

4 A. Not that I've seen.

5 Q. Do the studies deal with whether or not someone
6 smokes inside compared to outside?

7 A. No.

8 Q. Do they deal if someone is smoking in their
9 car?

10 A. No.

11 Q. Do they talk about if the person's coworkers or
12 family smoke?

13 A. No.

14 Q. Does it talk about the dermal contact or the
15 factor of the cigarette smoke making its way into the
16 body of -- of someone from just holding the cigarette?
17 Do the studies factor that in?

18 MR. PERRY: Object to form.

19 A. I don't know that the smoke would get into the
20 body dermally. Chemicals might. But not that I've
21 seen.

22 Q. (BY MR. PATTON) So, the studies that deal with
23 smoking, they just conclude, hey, if someone is smoking
24 X amount of packs per year or even if they're just a
25 smoker, they reach that conclusion without going into

1 the details that I've just mentioned. Is that fair?

2 MR. PERRY: Object to form.

3 A. I think that would be fair, yes.

4 Q. (BY MR. PATTON) Okay. Do all of the studies
5 that support a relationship between benzene exposure and
6 a given form of myeloid leukemia, do they reach the
7 conclusion of a causal relationship without going into
8 details, like temperature, like duration, like benzene
9 concentration, like part-per-million-year dose? Do all
10 the studies that support the connection between benzene
11 and leukemia, do they all go into those little nuances;
12 or do some of them reach the conclusion in a more
13 general sense?

14 MR. PERRY: Object to form.

15 A. I think some of them would have -- be in a more
16 general sense because the measurements are not always
17 there and only in some of the studies are there good
18 estimates of what the benzene concentration would be.

19 Q. (BY MR. PATTON) Doctor, you would agree with me
20 that there are studies that support the conclusion that
21 benzene causes AML and those studies -- some of those
22 studies don't necessarily even evaluate
23 part-per-million-year cumulative dose, correct?

24 MR. PERRY: Object to form.

25 A. That's probably true.

1 Q. (BY MR. PATTON) There are certain forms of
2 studies that just examine a population, "yes" or "no,"
3 whether or not they were occupationally exposed to
4 benzene; and then they can draw the conclusion on the
5 strength of association, correct?

6 A. Well, as I say, I'm not an epidemiologist and
7 I -- I would like to see that the -- generally, it's
8 thought the dose makes the poison and you would like to
9 see if you are trying to attribute a -- a -- an illness
10 to a particular chemical, that they got that chemical
11 and how much they got of it.

12 Q. But how -- have you ever concluded that
13 someone's smoking was a factor in their AML?

14 A. As I said, with AML, we can't prove causation.
15 We can only say it was a risk factor.

16 Q. Okay. So, you can call -- you can call smoking
17 a risk factor in a given patient's AML sometimes,
18 correct?

19 A. If they've been a heavy smoker, yes.

20 Q. Okay. And what makes them a heavy smoker?

21 A. I would say a 20 part -- 20-pack-year history.

22 Q. You wouldn't consider any of those 15 things I
23 went through, on type of cigarettes, whether they
24 inhale. You'd just call it a pack-year history, right?

25 A. That's correct.

1 Q. But for benzene, it's a little different. They
2 can't come in and say, hey, I worked around benzene or I
3 worked around gasoline which contains benzene. You're
4 got to see a part-per-million-year dose to reach your
5 conclusion, correct?

6 MR. PERRY: Object to form. Misstates his
7 testimony.

8 A. I have to see a part-per-million dose or
9 evidence, for example, that workers in a particular
10 refinery or a particular setting have higher incidences
11 of AML than -- than a control and it's a properly done
12 study; but in general, I'd like to see a dose level,
13 because we associate cumulative dose of chemicals with
14 AML causation.

15 Q. (BY MR. PATTON) Did you perform any type of
16 cumulative-dose calculation for Raul's exposure to
17 benzene?

18 A. No.

19 Q. Have you seen any cumulative-dose information
20 about Raul's exposure to benzene?

21 A. No.

22 Q. Have you seen any reports from any experts
23 about how much benzene Raul was exposed to?

24 A. No.

25 Q. Have you seen any information from Shell about

1 how much benzene workers who are like Raul, who do his
2 type of job or did his type of job, how much benzene
3 they're exposed to?

4 A. No.

5 Q. Have you seen any data from Shell about how
6 much exposure to benzene someone experiences when
7 loading -- top loading gasoline without vapor recovery?

8 A. No.

9 Q. Have you seen any information from Shell as to
10 the efforts that Shell has undertaken to investigate the
11 relationship between top loading gasoline without vapor
12 recovery and the contraction of myeloid leukemias?

13 A. No.

14 Q. Have you seen any data from Shell showing any
15 type of surveys they've conducted on gasoline
16 distribution workers to determine the incidence of
17 myeloid leukemias among that class of workers?

18 A. No.

19 Q. Have you ever -- have you seen any data in this
20 case from Shell about how much benzene was in the
21 gasoline that Raul worked with?

22 A. No.

23 Q. Have you seen any data in this case showing
24 where Shell recommended that gasoline distribution
25 terminals performed blood tests on their workers?

1 A. No.

2 Q. Did you look at any of Raul's tissue or blood
3 samples?

4 A. No.

5 Q. Is there any other information you would want
6 to see in this case before -- well, I guess you've
7 already given the conclusion that benzene played zero
8 role in Raul's leukemia; is that right?

9 A. That is my impression because I think the
10 literature tells us that his diagnosis -- and that
11 diagnosis seems unchallenged in the medical records --
12 his diagnosis of atypical CML is not a benzene-related
13 illness.

14 Q. Do you have any opinions on what caused Raul's
15 disease or his disease process?

16 A. No. I don't --

17 Q. You have no opinions on what caused it?

18 A. I don't know what caused it. And that is the
19 position of the medical literature.

20 Q. Dr. Michael Carroll treated Raul Zendejas. Did
21 you see that?

22 A. Yes.

23 Q. He's the one who gave him two bone marrow
24 transplants?

25 A. Yes.

1 Q. And I've even seen his efforts described as
2 heroic. Do you agree that it is heroic for someone to
3 go ahead and take the risk of giving Raul two bone
4 marrow transplants?

5 MR. PERRY: Object to form.

6 A. I would agree with that. In other words,
7 that's a -- it's a major undertaking to do a bone marrow
8 transplant. And certainly to do it twice, there is
9 added risks, yes.

10 Q. (BY MR. PATTON) I mean, if you were to meet
11 Dr. Carroll, you might shake his hand and say, hey, good
12 job. You really worked hard to save this patient?

13 A. Yes.

14 Q. Okay. Is there anything about Raul's treatment
15 provided by Dr. Carroll that you disagree with?

16 A. No.

17 Q. Okay. You can't go through the medical records
18 and say, hey, these doctors should have done something a
19 little different?

20 A. No.

21 Q. Okay. I've met Dr. Carroll. I think he's --
22 he's a little younger than you. I don't think he's been
23 practicing for 35 years.

24 A. These days, a lot of people are a little
25 younger than I am.

1 Q. Okay. Is there any type of advice or
2 suggestion that you might give Dr. Carroll about his
3 treatment of -- of Raul?

4 A. No. I'm sure that the concerns now are late
5 development of graft-vs.-host disease, of course, late
6 relapse of leukemia; and someone like himself who is
7 working daily in a marrow transplant program would have
8 more knowledge than I would about that field.

9 Q. Raul is -- Raul is still at risk of -- of
10 relapsing and coming down with another version of
11 myeloid leukemia, right?

12 A. Yes.

13 Q. How long is he at risk?

14 A. Well, he's -- I would say he's at risk -- in
15 terms of catching another form of acute leukemia,
16 probably about 12 years after the last transplant, but
17 people continue to die off following transplants over a
18 long period of time.

19 Q. Speaking of -- of Dr. Carroll, I'll show you
20 what's been marked as Exhibit 5, and that is some of the
21 medical records -- and we might come back to those --
22 but on the top there is a letter from Dr. Carroll,
23 correct?

24 A. Yes.

25 Q. Have you seen that letter before?

1 A. Yes.

2 Q. You saw Dr. Carroll's deposition or you read
3 it?

4 A. Yes.

5 Q. What does Dr. Carroll believe to be the cause
6 of Raul's disease?

7 A. He says "I believe there is a reasonable and
8 probable likelihood of a causal relationship between his
9 occupational exposure to refined hydrocarbons and the
10 development of his life-threatening myeloid leukemia."

11 Q. Do you agree or disagree with Dr. Carroll?

12 A. I would disagree with him because there's no
13 literature to support this statement.

14 Q. Did you review the deposition of Dr. Gore taken
15 in this case?

16 A. Yes.

17 Q. Dr. Gore is the expert that the plaintiffs
18 hired to give opinions in this case. Is there anything
19 that you disagree with in Dr. Gore's opinions?

20 MR. PERRY: Object to form.

21 A. Well, I -- I -- I would have to comment that he
22 alleges that I'm misrepresenting what the M.D. Anderson
23 article says about atypical CML, and I would suggest he
24 needs to reread that paper because what I said is
25 exactly what they said.

1 Q. (BY MR. PATTON) He called some of your opinions
2 gobbledygook, didn't he?

3 A. Yes, he did.

4 Q. And you disagree with that, correct?

5 A. I think -- I had -- I think my letter was very
6 nicely written and very well supported by the articles
7 that I submitted with it.

8 Q. But doesn't your letter or your report, doesn't
9 it just keep splitting and splitting and splitting this
10 disease such that any analysis and causation would be
11 meaningless?

12 A. No. It's just the opposite. I keep focusing
13 on the disease, the disease, the disease. He has one
14 disease, which is atypical chronic myeloid leukemia; and
15 he lived out the natural history of that illness. And
16 that illness is extremely well described in the medical
17 literature, especially in this very large article by --
18 by the -- Dr. Kantarjian and the other people at
19 M.D. Anderson, and there is no known causation of that
20 literature -- of that illness.

21 Q. Some of the literature that we have in front of
22 us and some of the literature that Dr. Gore relies on
23 and that Dr. Infante relies on, they talk about myeloid
24 leukemias in general. They talk about ANLL, meaning
25 acute nonlymphocytic leukemia. Is that correct?

1 A. That's correct. That's a term that's not much
2 used in the United States anymore. The British people
3 like to use that term.

4 Q. I mean, some of the studies you have with
5 you -- and I know I've said this a couple of times --

6 A. Yeah.

7 Q. -- but we're going to go through them. But
8 some of these deal with myeloid leukemias generally.
9 They don't lump it in or they don't split it down to the
10 different distinctions that you've made in this case,
11 correct?

12 A. It depends what you're referring to. In other
13 words, when I talk about atypical CML, the references
14 that I have there are specific to that illness.

15 Q. Are they specific to causation of atypical CML?

16 A. Well, I think they are because at least one of
17 them, for example, deals with all of the illnesses that
18 the WHO puts into this wastebasket category of theirs,
19 this myeloproliferative/myelodysplastic category; and
20 the statement is made that those diseases are of unknown
21 cause.

22 The World Health Organization has two
23 chapters that I have in here and one of them is a
24 chapter on myelodysplasia and they're not bashful about
25 saying that benzene is a potential cause at high doses

1 of that illness; but when you read their chapter on
2 atypical CML, there is no etiology proposed.

3 Q. But some of the other studies, they deal with
4 myeloid leukemias generally, correct?

5 A. It depends on what I'm referencing. In other
6 words, if -- if I'm making a statement that has to do
7 with acute myeloid leukemia, I would reference that.
8 But the -- the -- we've long gone away from trying to
9 talk about leukemia as a general category of illness.
10 Even within a specific type of leukemia, like acute
11 myeloid leukemia, we're getting into a narrower and
12 narrower focus so that we can better understand those
13 illnesses. There -- there are probably differing
14 etiologies among the differing types of acute myeloid
15 leukemia.

16 Q. As far as these studies go, have you ever asked
17 Shell to conduct any research on the incidence of
18 atypical CML from exposure to benzene?

19 A. No.

20 Q. Have you ever asked your colleagues to perform
21 that type of research?

22 A. No.

23 Q. Are you familiar with any specific studies that
24 look into atypical CML and benzene exposure?

25 A. No -- well, no.

1 Q. That's to say, the studies by and large, they
2 don't -- they don't stratify or split out atypical CML.
3 They -- they just don't do that, do they, when
4 determining benzene causation?

5 MR. PERRY: Object to form.

6 A. Well, but if you look, for example, at
7 Dr. Axsoy's studies, he doesn't split them out. He just
8 says there isn't any CML, not -- not any kind. In other
9 words, he's -- he's got the study, one of the larger
10 studies; and he's a very good investigator, was trained
11 actually in part in the United States. He reviewed all
12 the slides; and he comments in his article, that in the
13 28 or so thousand, 29,000 people he studied who had a
14 high incidence of AML, he saw a deficit of CML. So,
15 that would be a deficit of anything that looked like
16 CML, whether it was Philadelphia chromosome-positive or
17 negative.

18 Q. (BY MR. PATTON) Have you seen the studies
19 supporting a relationship between benzene exposure and
20 CML?

21 A. You'll have to show me what studies those would
22 be.

23 Q. Okay.

24 A. The three major studies, the Ply film study,
25 didn't show an incidence of CML. I think your expert

1 referenced the Chinese studies, and in the -- in one of
2 the articles that he referenced, they found only an
3 increased incidence of AML.

4 Q. We talked about taking a lunch break, and I
5 think we're going to do that. So, I just want to finish
6 up a couple of quick topics.

7 You said my experts. Plaintiffs' experts
8 in this case, Dr. Infante and Dr. Gore, you have looked
9 at their depositions, correct?

10 A. Yes.

11 Q. And you read their depositions, right?

12 A. Yes.

13 Q. And are you familiar with the studies they cite
14 in support of their opinions?

15 A. Yes, in the case of Dr. Gore's, we cite the
16 same studies in some instances.

17 Q. Okay. And then Dr. Infante, you're familiar
18 with the studies that he cites, correct?

19 A. Well, I've seen his opinions before, and I have
20 looked at some of his references.

21 Q. Okay. Are there any -- are there any of the
22 references by Dr. Gore or Dr. Infante studies that you
23 think just have no bearing on the relationship between
24 benzene and myeloid leukemia, such that they should just
25 be thrown in the garbage or shredded and not even

1 considered?

2 MR. PERRY: Object to form.

3 A. Well, I think a reference is a reference; and I
4 think that there may be useful information in some. For
5 example, I've forgotten which one it is, but Dr. Gore
6 references a -- papers on people exposed to gasoline,
7 and I think one of the tables breaks it down to people
8 with less than 15 years -- or more than 15 years'
9 exposure, and neither group had an increased incidence
10 of AML.

11 Q. (BY MR. PATTON) There's useful information in a
12 lot of these studies, correct?

13 A. Yes.

14 Q. My question, again, though, is a little more
15 specific. Are there any of these studies that Dr. Gore
16 or Dr. Infante relied on that you think are just
17 unreliable studies that shouldn't even be considered in
18 the analysis?

19 MR. PERRY: Object to form.

20 A. Well, I think that one needs to try to focus on
21 the particular illness in question, which is atypical
22 CML; and I think the bulk of the references from those
23 gentlemen don't focus on that illness.

24 MR. PATTON: All right. Let's take a
25 break, and we'll talk some more this afternoon.

1 Off the record.

2 THE VIDEOGRAPHER: Now going off the video
3 record. The approximate time is 12:33.

4 (Recess taken from 12:33 to 1:22.)

5 THE VIDEOGRAPHER: Now back on the video
6 record. Approximate time is 1:22.

7 Q. (BY MR. PATTON) Dr. Natelson, I'm going to hand
8 you what we've marked as Exhibit 1 to your deposition;
9 and that is your report, correct?

10 A. Yes.

11 Q. And starting around -- starting right there
12 near the middle of the page, can you read that first
13 sentence "It is important"? Read that for us aloud.

14 A. "It is important to note that Mr. Zendejas
15 never received a diagnosis of myelodysplasia (MDS), as
16 currently defined by the WHO or any other modern-day
17 classification system of hematopoietic disorders."

18 Q. I can stop you right there. Is it your opinion
19 that he did not have any form of MDS?

20 A. He has no form of MDS.

21 Q. Okay. Even if we went back in time and
22 classified his disease, like, in the '70s or '80s?

23 A. No. Atypical CML has never been classified
24 as -- as MDS; and, of course, MDS, 1982, was when it --
25 people began to use that classification, and not at that

1 time or prior was -- was atypical CML classified as MDS.

2 Q. When did the term "atypical CML" first come
3 about in the community?

4 A. I would say in the early 2000s.

5 Q. So, pre-2000, the term "atypical CML" wasn't
6 used in the hematologic community. Fair statement?

7 A. Well, the illness was there. In other words,
8 it was simply called Philadelphia chromosome-negative
9 CML; and some may have called it atypical, but I think
10 that the term "atypical" is -- is -- is a little bit
11 more of a recent phenomenon.

12 Q. Okay. So, if we look at epidemiological
13 literature, which we're about to start on here pretty
14 quick, we are not going to find the term "atypical CML"
15 used in pre-2000 studies. They might mention
16 Philadelphia negative CML, correct?

17 A. Yes.

18 Q. Okay. So, again, we go through the year --
19 studies before 2000, we're just not going to see
20 atypical CML?

21 A. I don't think so. I mean, I -- I hate to be
22 held to that because some author may have used that
23 term, but typically we -- we termed it Philadelphia
24 chromosome-negative CML.

25 Q. Okay. Is it possible that Mr. Zendejas'

1 disease would have been called some form of myeloid
2 dysplastic syndrome rather than atypical CML back before
3 2000 or even before the '82 -- 1982 MDS classifications?

4 A. No, not in my opinion. It might have been
5 called atypical or myeloproliferative disease; but it
6 would not have been called myelodysplasia.

7 Q. Okay. Turning to Page 5 of your report, going
8 near the bottom there, you indicate that "the MDS
9 classification system is fluid, primarily descriptive,
10 and a single" classification -- I'm sorry -- a single
11 illness may move within the classification during the --
12 the course of the disease, correct?

13 A. Yes.

14 Q. So, in the epidemiological literature, when
15 they evaluate benzene as a causative agent of myeloid
16 leukemias, do they review the course of treatment that
17 each such patient or each such subject went through; or
18 do they just take a snapshot and call the disease what
19 it is at the time?

20 A. I think -- I'm not sure exactly what you're
21 asking with that question.

22 Q. I'm saying that if we have studies, okay? And
23 we're going to go through them. And a lot of them deal
24 with, let's say, MDS, okay? So, they might have a
25 person in the study who is an MDS today; but it's

1 possible that that person a few years down the road or
2 even a few months down the road could very well be an
3 AML, correct?

4 A. He's an MDS today but could have been an AML
5 yesterday? I would say no.

6 Q. No, let me -- let me start over. That's not --
7 I meant the reverse of that. Let me ask a clearer
8 question.

9 If someone in a mortality study, for
10 example, or another epidemiological study is deemed in
11 that study to be a person with MDS, okay, it is possible
12 that a couple months or a couple years after the time,
13 that snapshot in time, that person might have progressed
14 to AML, correct?

15 A. Yes.

16 Q. Okay. Same question for CML. There are
17 studies that deal with patients with CML in these piles,
18 correct?

19 A. Yes.

20 Q. Is it likely that some patients with CML will
21 transform to AML?

22 A. Yes.

23 Q. So, some of these people in the studies who
24 were CMLs could have ultimately ended up as AMLs. Fair
25 statement?

1 A. Well, yes; but you see, in CML, with time, the
2 white cell count gets higher and higher; and the spleen
3 gets larger and larger. And, so, to see somebody, let's
4 say, who has had CML for a number of years and then goes
5 into blast crisis and all that while the illness was
6 undetectable and then when you saw their blast crisis
7 they didn't have a big spleen, that would be -- it's
8 potentially possible, but very unlikely.

9 Q. You agree with me, though, that some patients
10 with CML ultimately progress to AML, correct?

11 A. Oh, yes.

12 Q. I believe Dr. Gore gave the opinion that Raul
13 does not have MPD. Is that correct? In your review of
14 Dr. Gore's opinions in this case?

15 A. I don't remember why he would say -- if he said
16 that or why he would say that. He obviously does have
17 myeloproliferative disease, and that's what his doctors
18 said he had.

19 Q. Going through your report a little bit more,
20 you make mention that -- on Page 6, last paragraph near
21 the middle, you say there is no evidence that gasoline
22 and diesel fuels are carcinogenic. You see that?

23 A. Yes.

24 Q. If someone came to you and let's say they
25 exhibited a medical history with blood counts similar to

1 what you described from that gentleman in Louisiana.

2 A. Yes.

3 Q. Okay. And they were a gasoline worker. And
4 they had evidence in the form of regular blood tests
5 showing changes consistent with agenotoxic effect, if
6 you will, okay? And then they told you that their
7 exposure was to gasoline. Would you tell them, no, no
8 way the gasoline is causing it or no way the benzene is
9 causing it because benzene and gasoline -- I'm sorry --
10 because gasoline is not carcinogenic?

11 MR. PERRY: Object to form.

12 A. Well, you're asking me sort of two different
13 questions. One is about dropping a blood count, and the
14 other one is -- is carcinogenic. In other words, just
15 getting a low white count is not a cancer. And if I saw
16 someone who had a low white count and was working, let's
17 say, in a -- in a gasoline, I'd want to know what were
18 the circumstances? Was he sick? Did he have the flu
19 when that white count was low? Was he taking a drug
20 that might have influenced the white count? Does he
21 have underlying cirrhosis and an enlarged spleen to
22 account for the low white count? Is he an alcoholic?
23 There would be many reasons a person could have low
24 counts and not have it to be chemical related. So, you
25 would work up the patient and find out why they've had

1 the low white count.

2 Q. (BY MR. PATTON) Would you agree with me that
3 somebody could show lower white counts from being
4 exposed to benzene via gasoline?

5 A. I can't agree or disagree. In other words, I
6 don't know that. I would say that -- my understanding
7 is that no agency in the United States -- government
8 agency -- considers gasoline to be a known carcinogen.

9 Q. Alcohol causes damage to the liver, correct?

10 A. Yes, it can.

11 Q. If someone is an alcoholic, they can have
12 damage to the liver as a result, correct?

13 A. Yes.

14 Q. Okay. Has anyone ever designated Jack Daniels,
15 for example, as something that is known to cause damage
16 to the liver or any given liquor?

17 MR. PERRY: Object to form.

18 A. I don't know that. It's possible, but I don't
19 know that answer.

20 Q. (BY MR. PATTON) I mean, have you ever read any
21 studies that connect the relationship between drinking
22 alcohol and suffering damage to the liver? I mean,
23 there's literature out there on that, correct?

24 A. Oh, there's a ton of literature on alcohol
25 ingestion and liver damage, yes.

1 Q. Does it deal with specific brands of liquor?

2 A. Not that I'm aware of.

3 Q. Does it deal with specific percentages proof or
4 volume of -- of alcohol in the liquor?

5 A. Some articles may. I -- I can't -- I'm not a
6 liver specialist, and I don't know that, but generally
7 speaking, no. It's the alcohol itself.

8 Q. Do you consider Jack Daniels to be a known
9 cause of liver damage?

10 A. Well, it -- it is alcohol, and it has alcohol
11 in it. And, so, it's a form of liquor; and heavy intake
12 of liquor can cause cirrhosis.

13 Q. Okay. Whether or not some government agency
14 calls gasoline a carcinogen, would you agree with me
15 that benzene, because it's a component in gasoline, the
16 gasoline is, therefore, capable of causing damage to the
17 bone marrow? Do you agree or disagree with that?

18 MR. PERRY: Object to form.

19 A. I -- it's hard to disagree with that. All I
20 can say is that the evidence doesn't suggest benzene
21 causes cancer -- gasoline causes cancer, but I -- it
22 does contain some benzene, as well as other compounds,
23 and it might be possible to get low white counts from
24 gasoline exposure.

25 Q. (BY MR. PATTON) Have you seen studies that show

1 that sugar can cause obesity? Is it generally accepted
2 in the scientific community that sugar can cause --

3 A. Well, heavy intake of carbohydrates, yes, can
4 cause obesity.

5 Q. Have you seen studies that deal specifically
6 with Twinkies or mashed potatoes or spaghetti?

7 A. No, but I wouldn't be searching out those
8 studies in my usual practice.

9 Q. Would you expect those studies to deal with
10 each and every type of food in drawing the conclusion of
11 what that food's effect or that group of foods' effect
12 might be on -- on a person's body?

13 A. I'm not specifically a nutritionist and -- and,
14 so, I don't know that literature that well.

15 Q. Must a person be exposed to 100 percent pure
16 benzene to suffer -- or to -- for benzene to be a
17 possible cause of their myeloid disease?

18 MR. PERRY: Object to form.

19 A. The answer would be no.

20 Q. (BY MR. PATTON) Okay. So, you respect, Doctor,
21 that even chemicals that contain small amounts of
22 benzene, couple percentage or so of benzene, the benzene
23 in those other chemicals, like gasoline, is still
24 capable of causing adverse effects on someone's bone
25 marrow. True statement?

1 MR. PERRY: Object to form.

2 A. Again, it depends on cumulative dose and
3 probably depends on the way the metabolism may be
4 influenced by the associated substances.

5 Q. (BY MR. PATTON) You bring up an interesting
6 point. Metabolism may be influenced by certain
7 substances, right?

8 A. Yes.

9 Q. All human beings -- we all have different
10 metabolism, don't we? I mean, some people metabolize
11 food faster. You see some, you know, skinny people who
12 eat a lot of food. Other people have different
13 metabolism, right?

14 A. Yes, as a general statement, that's true.

15 Q. Okay. We mentioned earlier that you -- is it
16 that you do not believe that individual susceptibility
17 varies when talking about benzene and myeloid diseases?

18 A. No. I said that there is no documented
19 evidence for that. I didn't say it's not possible; but
20 I said that if you look at our chemotherapy data, let's
21 say a model for chemicals causing leukemia, what you
22 find is, in looking at large numbers of patients, is
23 that there is a threshold with each particular
24 chemotherapy agent; and once you get beyond that
25 threshold, we start to see a striking rise in the number

1 of AML cases. Well, if there was individual
2 susceptibility, we'd see cases of AML all along the
3 line. We wouldn't have to wait until we got to a
4 particular cumulative dose; but we don't see that with
5 chemotherapy. It seems to be a cumulative dose that
6 sets off the illness. Now, of course, you may have more
7 cases when you get well beyond that cumulative dose.

8 Q. You would agree with me, though, that my body
9 is probably different than your body in its reaction or
10 ability to overcome certain challenges, right?

11 MR. PERRY: Object to form.

12 A. Yes.

13 Q. (BY MR. PATTON) We're just going to have
14 natural differences among you and I as human beings,
15 right?

16 A. Certainly.

17 Q. Okay. Somebody could come in here with the
18 flu. You might catch it; I might not, right?

19 A. Yes.

20 Q. Okay. You might be exposed to -- you might
21 smoke a lot of cigarettes. I might smoke a lot of
22 cigarettes. One of us may or may not get lung cancer,
23 right?

24 A. Correct.

25 Q. When we're talking about benzene affecting

1 someone's bone marrow, it affects the DNA, does it not?

2 A. Well, benzene is considered a radio magnetic
3 drug, in that it damages DNA; but the exact mechanism of
4 that damage, I don't know is known -- I don't know is
5 accurately known.

6 Q. Nevertheless, when we talk about our body's
7 repair mechanism, you're going to agree with me that --
8 do you agree with me that some individuals are going to
9 have a stronger repair mechanism or an increased ability
10 to go ahead and fight off the challenges that benzene
11 might present to the body than others?

12 MR. PERRY: Object to form.

13 A. I can't say that that's certainly true. I
14 mean, I have no evidence that it is true. I don't
15 dispute it might be true, but I don't believe that's
16 proven.

17 Q. (BY MR. PATTON) So, is that why you believe
18 that it's got to take 40-part-per-million-year
19 cumulative dose before any person's leukemia might be
20 caused by benzene?

21 MR. PERRY: Object to form.

22 A. No, it's because I think there are many studies
23 out there that suggest that it is high-dose benzene that
24 causes -- has the potential to cause AML. And there may
25 be subtle differences, but like chemotherapy, it's a

1 cumulative dose; and the cumulative dose is high.

2 Q. (BY MR. PATTON) But some people who receive the
3 chemotherapy do not get AML. Others who do receive it
4 do get AML, right?

5 A. Oh, yes, that is true.

6 Q. Okay. So, individual -- individual
7 susceptibility is varying among that type of chemo as a
8 cause, right?

9 A. Yes, that would be so.

10 Q. I guess I'm just not understanding from you how
11 it is benzene is different. How -- how individual
12 susceptibility does not vary among benzene-exposed
13 workers. Can you explain that?

14 A. Well, let me see if I can explain it by giving
15 you an example. If I anticoagulate you with a drug
16 called Coumadin or Warfarin, that's a commonly-used
17 anticoagulant. In the general population, for a man, it
18 takes 7-and-a-half milligrams a day to anticoagulate
19 you. Okay? Now, some people take 10 milligrams a day.
20 Some people take 15 milligrams a day. Some people only
21 take 2-and-a-half milligrams a day to become
22 anticoagulative. So, yes, there's susceptibility.
23 That's one part of the equation.

24 Now, the second part of the equation is,
25 let's suppose I give you that Warfarin in a mixture with

1 other drugs. You're perfectly happy and
2 well-anticoagulated on 7-and-a-half milligrams a day. I
3 give you some Phenobarbital with that 7-and-a-half and
4 suddenly the drug interactions makes your blood clotting
5 system normal. You're no longer anticoagulated because
6 you have two drugs together competing for metabolism.
7 By the same token, if I give you Tagamet, which used to
8 be a lot for ulcer disease, suddenly that 7-and-a-half
9 milligrams of Coumadin is way too much and you're too
10 heavily anticoagulated. And that's why if we -- if we
11 said that benzene is the equivalent to Coumadin, it
12 depends how you get it. In other words, are you getting
13 it as a pure drug; or are you getting it in a mixture of
14 compounds that can influence its metabolism, and
15 gasoline is a mixture of many compounds.

16 Q. Doctor, I am going to mark as Exhibit 9, a
17 certain study. You've been reviewing studies on benzene
18 and leukemia for a number of years. Fair statement?

19 A. Yes.

20 Q. Are you familiar with the Hayes study?

21 A. Yes.

22 Q. Okay. I hand you what I've marked as
23 Exhibit 9. Do you see the summary here on this front
24 page? It talks about background. It has it in bold,
25 and it kind of sums up the whole report. Do you see

1 that?

2 A. Yes.

3 Q. Do you see in the -- starting at the bottom
4 there where it says "Results," can you read that for us?

5 A. For workers historically exposed to benzene at
6 average levels of less than 10 parts per million, the
7 relative risk for all hematopoietic syndromes combined
8 was 2.2, confidence limits 1.1 to 4.2, and, for the
9 combination of acute nonlymphocytic leukemia and related
10 myelodysplastic syndromes, the RR was 3.2, confidence
11 limits 1 to 10. For individuals who were occupationally
12 exposed to benzene at constant levels of 25 part per
13 million or more, the relative risk for the combination
14 of acute nonlymphocytic leukemia and related
15 myelodysplastic syndromes was 7.1.

16 Q. Let me stop you right there. This is a 1997
17 article from the Journal of the National Cancer
18 Institute, correct?

19 A. Yes.

20 Q. Is that a reputable journal?

21 A. Yes.

22 Q. Is it peer reviewed, meaning other folks read
23 these articles before they're published?

24 A. Yes.

25 Q. Is it a reliable source of information?

1 A. Well, as any journal is, yes.

2 Q. Is it something that you subscribe to?

3 A. No.

4 Q. Is it something that you choose not to
5 subscribe to because you just don't like it?

6 A. No. Actually, I frequently look at it because
7 some of my associates subscribe to it.

8 Q. This is a study that was conducted in China; is
9 that right?

10 A. Yes.

11 Q. Okay. This study, if -- if we analyze what you
12 just read, it says for workers exposed to less than 10
13 ppm, they still had a doubling of the risk; and it was
14 statistically significant, right?

15 MR. PERRY: And, again, you're talking
16 about the summary, not the actual article itself.

17 MR. PATTON: Correct, sir.

18 A. Yes, the summary, yes.

19 Q. (BY MR. PATTON) Okay. Is this a valid study?
20 Is this study statistically significant?

21 A. As they say it, there are considerable argument
22 about what the accurate measurements of benzene were,
23 however.

24 Q. Okay. So, there's -- there's criticisms or
25 challenges that one might make to this study. You can

1 poke some holes in it, so to speak?

2 A. Well, criticism such that the United States
3 Government agencies don't accept this study as -- as
4 accurate.

5 Q. Why not?

6 A. Because of numerous aspects about this study,
7 not just the benzene levels. For example, this study
8 comments about lymphomas, okay? And if you look here
9 at -- it says "Estimates of benzene exposure were
10 derived from work histories. Existing pathologic
11 material and supporting medical records were reviewed to
12 establish diagnoses of disease." I'll say that again.
13 Existing pathological material and supporting medical
14 records were reviewed to establish diagnosis of disease.

15 So, someone reading this would think that
16 all of the cases they reported would have either slides
17 or path -- pathologic material or medical charts.
18 Actually, that's not so.

19 In the case of their lymphoma data, for
20 example, they originally had 17 cases. They were able
21 to get slides on four; and one turned out not to have
22 lymphoma; so that case was dropped out. So, many of
23 their cases, there was no slide review, in the case of
24 lymphoma.

25 Q. Do you think this is a good study, or is it a

1 bad study?

2 A. It is a bad study. I mean, it has certain
3 value because it's very large; but the study was very
4 inaccurate. And in the case of lymphoma, for example,
5 they have three cases in there that they have no slides,
6 no pathology report, no medical records and the
7 diagnosis is entirely hearsay; but you wouldn't know
8 that from reading this article.

9 Q. Okay. So, there's a whole world of information
10 beyond this article out there?

11 A. Yes, because this -- this benzene study has
12 been written up many, many times by these various
13 combinations of authors. There's a lot more available
14 information in the literature than what you might find
15 in this piece of paper.

16 Q. Okay. Are you familiar with the Adegoke study?

17 A. Let me see. I think I may have seen that.

18 I -- I think --

19 (Exhibit No. 10 marked)

20 Q. (BY MR. PATTON) I marked as -- marked it as
21 Exhibit 10.

22 MR. PERRY: Okay.

23 A. Yes. I have seen this study.

24 Q. (BY MR. PATTON) Okay. Before we talk about it,
25 are you aware of any widespread criticisms or challenges

1 to the quality of this study?

2 A. I don't know much about the background of this
3 study.

4 Q. Okay. You see near the top where it says
5 "Results"?

6 A. Yes.

7 Q. It says "Significant increase in leukemia risk
8 was observed in chemical manufacturing industry
9 workers." Odds ratio 3.1, meaning three times as
10 likely, right?

11 A. Correct.

12 Q. And then it shows that 95 percent confidence
13 interval; and for that to be statistically significant,
14 that lower number needs to be 1 or above, right?

15 A. Correct.

16 Q. And this is statistically significant, correct?

17 A. Yes.

18 Q. Do you see, reading on there, where it talks
19 about the dose-response relationship of leukemia risk?

20 MR. PERRY: Is this on the front page in
21 the summary again?

22 MR. PATTON: Yes, sir.

23 A. Yes.

24 Q. (BY MR. PATTON) Okay. Do you have any reason
25 to disagree with the conclusions in this study?

1 A. Well, it doesn't identify at least in this
2 abstract what kind of leukemia we're talking about. It
3 just says "leukemia." And we know that there are many
4 forms of leukemia that are unrelated; and in the modern
5 era, you have to be cell-type specific.

6 Q. If you turn to a few -- a few pages to 487, it
7 looks like it deals with total leukemia, ALL, CLL, AML
8 and CML, correct?

9 A. Yes, I see that.

10 Q. And you said that for a study to be valid in
11 the modern era, it needs to deal with cell type?

12 A. It needs to be cell-type specific. In other
13 words, CML as distinguished from AML as distinguished
14 from ALL as distinguished from -- from --

15 Q. Is this study cell-type specific?

16 A. They do give different numbers here.

17 Q. Okay. And this is --

18 A. That's the distribution of the cases.

19 Q. And this is August 2003, right?

20 A. Yes. They're giving us the distribution of the
21 cases, but --

22 Q. Do you see on that right-hand column on 487
23 where it talks about "the odds ratios"?

24 A. Yes.

25 Q. Would you agree with me that this study

1 supports a relationship -- a statistically significant
2 relationship between benzene and these forms of
3 leukemia?

4 MR. PERRY: Are you talking about Page 487
5 or Table --

6 MR. PATTON: 487.

7 MR. PERRY: -- 1 or Table 3?

8 MR. PATTON: Page 487.

9 A. It says "The ever exposed category had a
10 significantly increased risk for CML and a
11 non-significant increase for ALL and AML."

12 Q. (BY MR. PATTON) And then going to the left-hand
13 column, it shows a risk for leukemia that was increased
14 significantly -- significantly among those who worked in
15 the chemical manufacturing industry. It is
16 statistically significant and shows a three times
17 increase in the risk, correct?

18 MR. PERRY: Object to form.

19 A. Again, they talk about leukemia as a general
20 category.

21 Q. (BY MR. PATTON) And you just don't believe
22 that's fair?

23 A. Well, it has to be broken down to the type.

24 Q. Why does it have to be broken down to the type?

25 A. Because acute -- because myeloid leukemias and

1 lymphoid leukemias are -- they're different cells. It's
2 like comparing kidney cancer to lung cancer. I mean,
3 they're different cell lines.

4 Q. But within the -- within the myeloid leukemias,
5 is it your testimony here, that for a study to be
6 meaningful, it has to be split among the 16 or so
7 differentiations you have on Exhibit 8?

8 A. Oh, you're talking in terms of AML?

9 Q. I'm talking in terms of myeloid leukemias.

10 A. I would say that it would be inappropriate to
11 lump myelodysplasia, acute myeloid leukemia and chronic
12 myeloid leukemia in the same ball of wax.

13 Q. Okay.

14 A. They're different illnesses.

15 Q. What was the word you used? How would it be?

16 A. I said it would be inappropriate.

17 Q. Inappropriate. Okay.

18 One of the studies that you brought with
19 you today, and it looks like you've marked it --
20 penciled in -- as Exhibit 30 -- Reference 30 to your
21 report, and I will mark it as Exhibit 11.

22 (Exhibit No. 11 marked)

23 Q. (BY MR. PATTON) And this appears to be a 2007
24 mortality study of Texas Petroleum Refinery and Chemical
25 Employees, 1948 to 2003; is that right?

1 MR. PERRY: Who is the lead author on
2 that?

3 THE WITNESS: It's T-S-A-I.

4 Q. (BY MR. PATTON) Tsai.

5 MR. PERRY: Okay.

6 A. Tsai.

7 Q. (BY MR. PATTON) Okay.

8 A. And it's actually my Reference 30.

9 Q. Okay. It's your reference. That's something
10 you're relying on in your opinions to say that benzene
11 played no role in Raul's leukemia, right?

12 A. Actually, no. In other words, this -- this is
13 a general piece of information. In other words, my
14 letter covers more than just atypical CML. This --
15 this -- I don't remember what the sentence was that I
16 referenced this in, but I think it had to do with the
17 fact that increased incidences of -- of leukemias have
18 not been seen commonly in the -- in the petrochemical
19 industry for many years.

20 Q. That is exactly what you cite it for.

21 Doctor, on the front page, it says who
22 paid for that study; is that right? In the lower
23 left-hand corner there?

24 A. It -- well, it says -- no, it doesn't say it in
25 those terms; but it says "From Shell Health Services,

1 Shell Oil Company, Houston, Texas."

2 Q. Do you think Shell paid for that study, or do
3 you think somebody else did?

4 A. I have no idea who paid for this study.

5 Q. Well, why is Shell's name on it? Do you know?

6 A. I don't know. It may be that the person who
7 did the study worked for Shell.

8 Q. And you believe that that study supports the --
9 what does that study stand for?

10 A. Well, looking at the "Observed" and "Expected,"
11 they had AMLs. They had observed 11, expected 12.16.
12 And then putting it as a category of acute
13 nonlymphocytic, they had observed 12, expected 12.41.

14 Q. Okay. What is "acute nonlymphocytic"?

15 A. Well, it's -- it's basically the same as AML.
16 It's just that there are some cases that you're -- are
17 harder to tell that it's -- it's AML or if it's an
18 undifferentiated leukemia --

19 Q. Well, what about --

20 A. -- and you can't categorize it very well.

21 Q. I didn't mean to interrupt you. What about on
22 your Exhibit 8? Are those all ANLLs, those diseases?

23 A. Those are AMLs we're talking about.

24 Q. Not in Exhibit 8, sir. The chart we made?

25 A. Well, I've got a column of AMLs.

1 Q. And you've got a column of MDSs?

2 A. MDSs, yes.

3 Q. And you've got CML, atypical CML?

4 A. Yeah.

5 Q. Okay.

6 A. And myeloproliferative neoplasms.

7 Q. Are those all ANLLs, everything on that chart
8 on your left hand?

9 A. No. That term would only -- no. That term
10 isn't on this. That's simply the AMLs plus a couple of
11 cases that you're hard pressed to tell whether they're
12 AML or ALL. They're undifferentiated leukemia.

13 Q. Is MDS a form of ANLL?

14 A. No.

15 Q. No?

16 A. No.

17 Q. Isn't MDS a myeloid leukemia?

18 A. No. MDS is a -- you might say is a preleukemia
19 syndrome, potentially preleukemic. If you have MDS, you
20 don't have AML. If you had AML, you'd have AML.

21 Q. Well, doesn't the exhibit -- the Shell study
22 that you have there, doesn't that lump all ANLLs
23 together?

24 A. No, no, no.

25 Q. And it lumps all AMLs together, right?

1 A. It's got AMLs; and it's got CML as a separate
2 category, three observed and 4.5 expected. It's got
3 lymphoblastic, or ALL, 3 observed; 1.8 expected. And
4 chronic lymphocytic leukemia, 9 observed; 7.8 expected.
5 So, it breaks them down as to types of leukemia.

6 Q. But it lumps all the AMLs together, doesn't it?

7 A. It lumps all the AMLs together, yes.

8 Q. It doesn't differentiate between the M1 to M6,
9 right?

10 A. That's correct.

11 Q. Well, isn't -- isn't it inappropriate to lump
12 them all together? Isn't that what you said ten minutes
13 ago?

14 A. Well, not at the time -- no. Even today
15 they're typically lumped together. Ideally, they would
16 be separated. That's the gold standard these days. But
17 this paper is written some years ago.

18 Q. I'm going to show you what I'll mark as
19 Exhibit 12, and this is an article by Nilsson, in 1996,
20 "Genotoxic Effects in Workers Exposed to Low Levels of
21 Benzene from Gasoline."

22 (Exhibit No. 12 marked)

23 Q. (BY MR. PATTON) Have you ever seen this study
24 before?

25 A. I don't think so.

1 Q. If you look in -- in the -- on the top in the
2 summary of this study, it says "Multiple linear analysis
3 adjusting for smoking habits showed a significant
4 association between the exposure level of benzene during
5 the shift and the increase of 80HdG," which is a large
6 word that I won't say or attempt to say for the court
7 reporter's sake -- "in the urine over the shift among
8 exposed workers."

9 And I'll represent to you, Doctor, that
10 this study looks at the amount of benzene or its
11 metabolites detected in urine while workers were exposed
12 to benzene from gasoline. Does that seem accurate to
13 you based on what you --

14 A. I have no reason to dispute that.

15 Q. Okay. Is it your opinion that benzene and its
16 metabolites can be detected in urine while people are
17 working with benzene?

18 A. Yes.

19 MR. PERRY: Object to form.

20 Q. (BY MR. PATTON) Okay. So, you would agree with
21 me that urine tests are a possible useful tool in
22 determining the level of benzene with which someone
23 might be exposed to during a given day?

24 A. Yes. It has to do with the timing of the test.
25 In other words, if you took the test while they're in

1 the process of working, it would be more accurate than
2 if you took it the next day.

3 Q. Okay. So, if all of us, instead of working in
4 this conference room today, we go work at a refinery
5 where they're making gasoline and where they're using
6 benzene, okay, people could come in, take a urine test
7 from us and they could determine the amount of benzene
8 or its metabolites in our body at that given moment,
9 correct?

10 MR. PERRY: Object to form.

11 A. I don't know if you could accurately determine
12 the concentration of benzene by the metabolites. That's
13 beyond my expertise, but you could detect likely that
14 there was some exposure to benzene.

15 Q. (BY MR. PATTON) Do you see in the lower
16 right-hand corner, that sentence -- or paragraph
17 beginning "A common"? It says "A common occupational
18 source of exposure to benzene is handling of gasoline."

19 A. Yes.

20 Q. Do you agree with that?

21 A. Yes.

22 Q. Do you have any reason to disagree with that?

23 A. I have no reason to disagree with that.

24 Q. Turn to Page 319 of this study.

25 MR. PERRY: Is this Exhibit 11?

1 MR. PATTON: Yes.

2 MR. PERRY: Okay.

3 MR. PATTON: Might be 12.

4 MR. PERRY: Exhibit 12?

5 Q. (BY MR. PATTON) What -- what exhibit did I put
6 on there?

7 A. Twelve.

8 Q. Twelve.

9 MR. PERRY: Twelve. Okay.

10 Q. (BY MR. PATTON) Doctor, see on Page 319 under
11 "Exposure"?

12 A. Yes.

13 Q. Okay. Can you read that for us?

14 A. 319 under "Exposure." Oh. The concentration
15 of benzene, 8 hour time-weighted average, in the
16 breathing zone of the exposed workers was 0.13 part per
17 million, mean value 0.03 to 0.6, during the shift. The
18 mean exposure to benzene among controls was .002 part
19 per million.

20 Q. So, these are men who are working with
21 gasoline; and the amount of benzene in the air is .13,
22 right?

23 A. Yes.

24 Q. But benzene levels can still be detected in
25 their urine even at that low level, correct?

1 A. Yes.

2 Q. Do you have any reason to disagree with that?

3 A. No.

4 Q. Okay. If someone was being exposed to, let's
5 say, ten times as much benzene from gasoline or from any
6 other operations, would you agree with me that the more
7 benzene they're exposed to, the more detectable it would
8 be in the urine?

9 MR. PERRY: Object to form.

10 A. That would likely be true.

11 Q. (BY MR. PATTON) Okay. If you -- if you
12 increase the amount of exposure, you'll increase the
13 amount of benzene output. Fair statement?

14 MR. PERRY: Object to form.

15 A. Well, yes.

16 Q. (BY MR. PATTON) Are you familiar with the
17 Guènel study?

18 A. No.

19 MR. PATTON: I'll mark this as Exhibit 13.

20 (Exhibit No. 13 marked)

21 Q. (BY MR. PATTON) This is a 2002 study by Guenel.
22 Have you ever seen this study before?

23 A. No.

24 Q. Have you read that front page?

25 A. I read the front page, yes.

1 Q. It shows the risk of leukemia increasing at 3.6
2 times what is expected for those workers exposed to
3 benzene above 16.8 ppm years.

4 Did you see that?

5 A. Yes.

6 Q. And it also shows that there was an indication
7 of a dose-response relation, odds ratio 1.2 -- that
8 means 1.2 times more likely -- and that is statistically
9 significant -- per 10 part-per-million years increase in
10 exposure.

11 Do you see that?

12 A. Yes.

13 Q. Okay. And it shows that "The link with benzene
14 was more pronounced for acute leukemia than for chronic
15 leukemia, but no association with a particular cell type
16 was apparent."

17 This study, would you agree with me,
18 supports the -- the theory that even lower doses than 40
19 ppm-year cumulative dose have been shown in the
20 literature in statistically significant numbers to
21 increase the risk of myeloid leukemia. Do you agree
22 with that?

23 MR. PERRY: Object to form.

24 A. Just a minute. I'm looking at their acute
25 myeloid leukemia here and it says -- I'm looking at

1 their Table IV. And under acute myeloid leukemia, it
2 says the confidence limits at greater than 5.5 are .7 to
3 8.5. So, that would be not statistically significant.
4 And the same would be true for chronic myeloid leukemia.

5 Q. (BY MR. PATTON) But do you see in Table IV
6 where it says "All acute leukemia," greater than 16.8
7 PPM years?

8 A. Yes, but they don't tell you what kind of
9 leukemias they're talking about. It just says "All
10 acute leukemias." So, they've lumped -- they have a
11 very low number of cases, six cases. So, it's a small
12 study; and they don't tell you what kind of leukemia
13 they got.

14 Q. Are you critical of this study because it
15 doesn't show what type of leukemia?

16 A. Well, that particular category. In other
17 words, all I see is when we get down to acute myeloid
18 leukemia, I don't see anything statistically
19 significant, at least in that Table VI. I see all acute
20 leukemias; and when you've got tiny numbers like that,
21 sticking in one case of ALL or two might totally change
22 the statistics.

23 Q. Well, isn't that the same thing that happens
24 with some of the studies that you're relying upon?

25 A. I don't think so. I think I try to use

1 cell-type-specific studies.

2 Q. Okay. Exhibit 14, this is a paper by Wong,
3 1993. This looks like it's been copied and restapled
4 more than once. You're familiar with this, right?

5 (Exhibit No. 14 marked)

6 A. Yes.

7 Q. (BY MR. PATTON) Does that split it down by
8 subtype, or does it lump them altogether?

9 A. It says, for the two cohorts combined, standard
10 mortality rate for acute myeloid leukemia was 1117.1.
11 So, that looks like it's specific for AML.

12 Q. Don't other parts of the conclusions, though,
13 lump them together?

14 A. Well, he has another table in here that puts
15 all lymphato -- hematopoietic cancer together, which
16 today would be, I think, inappropriate because you're
17 putting apples and orange -- apples and oranges
18 together; but when you're speaking of acute myeloid
19 leukemia, that's very specific.

20 (Exhibit No. 15 marked)

21 Q. (BY MR. PATTON) Well, you have another study
22 here, Doctor, from Graff?

23 A. Yes.

24 Q. This looks like Reference 34?

25 A. Right.

1 Q. I just put the sticker over the year. So, I
2 don't know what year it is.

3 MR. PERRY: 2005.

4 Q. (BY MR. PATTON) This lumps together
5 lymphohematopoietic cancer, right?

6 A. Yes.

7 Q. Doesn't that include both lymphoid -- or
8 lymphatic cancers and myeloid leukemias like we have on
9 Exhibit 8?

10 A. It does; but in the text, he breaks it out by
11 cell type.

12 Q. Does he break it out by -- well, first of all,
13 are MDSs included with AMLs in this -- in this study?

14 A. No.

15 Q. They are AML only, correct?

16 A. Well, there's -- I think there's more than AML
17 in the study, but -- but MDS, I don't believe, is part
18 of that study.

19 Q. Did this study by Graff go through and
20 determine which of these folks in the study with AML
21 actually went through atypical CML or went through an
22 MDS phase?

23 A. No.

24 Q. Can you point me to any study that you're
25 relying upon in your opinions that benzene played zero

1 role in Raul's leukemia, can you point me to any studies
2 that track the disease progression that a given subject
3 went through before he was called an AML?

4 A. Well, the M.D. Anderson articles do that.

5 Q. Okay. Any others?

6 A. Well, that -- that comes to mind. I would have
7 to look to see what I referenced under that; but, in
8 other words, the -- in the M.D. Anderson study, they
9 show the natural history of the illness because they
10 classify all their people and then they follow them
11 until death.

12 Q. Doctor, what I have given you is the stack of
13 all the other studies you're relying upon supporting
14 your opinion that benzene played no role in Raul's
15 leukemia. Is that the complete stack there?

16 A. That -- yeah, whatever all I brought with me,
17 yes.

18 Q. And what else we've marked as exhibits?

19 A. And what else you've marked as exhibits.

20 Q. And my question for you, Doctor, is: Please
21 pull out each and every study in that stack that tracks
22 the disease progression that a given AML subject went
23 through before he was called an AML in the study.

24 MR. PERRY: Object to form.

25 A. Well, in the -- in the M.D. Anderson study,

1 they discuss -- I believe it's -- well, let me see if I
2 can find it in here. They tracked 189 patients and they
3 were carefully studied and at the time of diagnosis all
4 they had was Philadelphia chromosome negative CML. And
5 then they studied these people to see how many developed
6 AML; and I believe they found, let's see, blast
7 transformation -- blast transformation of disease
8 preceded death in 8 of 26 patients for whom the cause of
9 death was known, 31 percent. It also says patients were
10 documented with a myeloid phenotype. The median time
11 from referral to blast crisis from the date of referral
12 was 11.5 months.

13 So that in this large group of patients,
14 probably one of the largest, if not the largest
15 reported, all of these people didn't have acute leukemia
16 when they were seen and they followed them and they
17 developed blast crisis, many of them, and died.

18 Q. Doctor, my original question was a little bit
19 different. It was about benzene as a cause. Does that
20 study in your hand deal with benzene as a cause?

21 A. I see. No, it does not deal with benzene as a
22 cause.

23 (Exhibit No. 16 marked)

24 Q. (BY MR. PATTON) Okay. And I'll mark that, in
25 fairness, as Exhibit 16. And my question, sir, was:

1 Can you point us to any studies in your stack, anything
2 that you're relying on in this case in giving your
3 opinion that benzene played no role in Raul's leukemia?

4 A. Yes.

5 Q. Can you point us to any other studies that talk
6 about the relationship between benzene and AML or any
7 other form of leukemia where they tracked the patient's
8 disease progression?

9 A. Well, this is a discussion, this article, my
10 Reference 3; and it discusses the whole category of
11 myelodysplastic/myeloproliferative neoplasms and points
12 out these are all myeloproliferative diseases. And it
13 says the etiology of this category of illness is not
14 known. And when it says that it means it's not known it
15 was caused by Twinkies or gasoline or benzene. The
16 etiology is unknown. And, so, that's a pretty
17 straightforward statement.

18 (Exhibit No. 17 marked)

19 Q. (BY MR. PATTON) Did they perform a study, in
20 this Exhibit 17 by Orazi, did they perform a study to
21 examine the relationship between benzene and any of
22 these diseases?

23 A. No. This is a review of the literature by
24 these gentlemen and a review of this particular disease
25 classification.

1 Q. Just to go back through my original question,
2 sir, is this a study that talks about benzene as a cause
3 of leukemia which tracks a patient's disease progression
4 before it becomes AML?

5 MR. PERRY: Object to form.

6 A. It doesn't do that.

7 Q. (BY MR. PATTON) Okay. So, that's -- you're 0
8 for 2 now. Are there any other studies in your stack
9 there which -- which talk about the relationship between
10 benzene and leukemia and track a patient's disease
11 course?

12 MR. PERRY: That's a different question
13 than you initially started asking him.

14 THE WITNESS: Yeah.

15 MR. PERRY: So, just -- you've changed the
16 question during this course, so now you're asking a
17 completely different question. Because early on you
18 weren't including the issue about benzene and the
19 progression of something to AML.

20 Q. (BY MR. PATTON) Let me make it clear, sir.
21 What I want to know is -- and here's the point I'm
22 trying to make and the question I'm trying to get
23 answered. I understand that there's a relationship
24 between benzene and AML. You acknowledge that, correct?

25 A. I acknowledge that, yes.

1 Q. Okay. Now, my question is: Is before some of
2 these subjects in the study who are -- are recognized as
3 a benzene-induced AML, before they become AML, do any of
4 these studies track their disease progression, whether
5 it was MDS or atypical CML?

6 MR. PERRY: Object to form.

7 A. I'm still not exactly understanding your
8 question. In other words, if I take people who have
9 a -- I think what you're saying is, if I take people who
10 have atypical CML, can I go back in time and see when
11 they had normal blood counts and what they were exposed
12 to? Well, of course not. In other words, they come in
13 with a particular illness and you make that diagnosis
14 and you don't find any evidence of AML and then you
15 follow them until they're dead.

16 Q. (BY MR. PATTON) Sir, that's not my question at
17 all.

18 A. I'm not understanding your question, then.

19 Q. And I want to get on the same page with you
20 here, okay? Some of these charts that we've reviewed in
21 some of these studies, they deal with patients who have
22 AML, right?

23 A. Yes.

24 Q. Okay. And they talk about benzene as being a
25 cause of someone's AML, correct?

1 A. Yes.

2 MR. PERRY: Objection. They don't talk
3 about there being a cause. They're looking at odds
4 ratio. They don't make a statement about being a cause
5 of any specific AML. That's not true. That's not what
6 the studies say.

7 Q. (BY MR. PATTON) Doctor, would you agree with me
8 that some of the studies that you've relied upon today
9 examine the relationship between benzene and AML? Fair
10 statement?

11 A. I think so, yes.

12 Q. Okay. Would you agree with me that some of
13 those studies take a look at how much people were
14 exposed to benzene and how many people got AML, as
15 compared to the general population? Isn't that sort of
16 what these studies are doing?

17 A. Some of them, yes.

18 Q. Okay. And some of these studies, when they
19 compare the numbers, they might show a -- a statistical
20 significance that there are more AMLs among
21 benzene-exposed workers than normally expected in the
22 general population, correct?

23 A. Yes.

24 Q. Okay. So, that's the point of some of these
25 studies, to examine the relationship between benzene and

1 leukemia by looking at benzene-exposed workers in
2 comparison to the general population. Fair statement?

3 A. Yes.

4 Q. Okay. In each such study which goes through
5 what we just talked about here in the last 90 seconds,
6 okay, for those patients who are -- who are designated
7 as AML, okay, my question for you is: You acknowledge
8 that sometimes people might have MDS and it progresses
9 to AML. True statement?

10 A. Yes.

11 Q. Sometimes people have atypical CML which
12 progresses to AML. Fair statement?

13 A. Yes.

14 Q. Okay. My question, sir, is: Of any of the
15 AMLs examined in these studies, do the authors of those
16 studies show what disease progression the person went
17 through before they had AML? For instance, does it show
18 that, you know, AML No. 6 actually had another disease
19 before it?

20 A. I don't know that that's true of any of these
21 studies; but I would point out that most people, if they
22 had a patient with CML, for example, and they went into
23 blast crisis, they wouldn't put that into a study as an
24 AML. They would put it in as a CML.

25 Q. Do the criteria of each of these studies tell

1 us why they're calling someone a -- an AML as compared
2 to a CML, or would you agree with me that some of the
3 AMLs in some of these studies are probably blast crisis
4 AMLs?

5 MR. PERRY: Object to form.

6 A. I think it's very unlikely that more than an
7 extraordinarily rare case would be a blast crisis of AML
8 in these studies.

9 Q. (BY MR. PATTON) And what's the basis for that
10 opinion?

11 A. Because of the fact that when a blast crisis
12 occurs, it's a very recognizable illness, especially if
13 it's in the setting of a CML; and it would simply be
14 classified as blast crisis of CML on the death
15 certificate. It wouldn't be AML. I think that what
16 you're suggesting can rarely happen, that a person --
17 because I've seen this before -- a person comes in and
18 they have a positive Philadelphia chromosome and AML and
19 you're left to wonder, well, did they really used to
20 have CML and now they've got AML? Or does this happen
21 to be a case of AML with a Philadelphia chromosome? And
22 actually, many times it is AML with a Philadelphia
23 chromosome. They didn't have the chronic leukemia. We
24 see that very commonly in acute lymphoblastic leukemia,
25 where a large number of people have a Philadelphia

1 chromosome, that's a causative factor in ALL; but it's
2 not a case of CML. They happen to have the Philadelphia
3 chromosome.

4 So, I think what you're suggesting would
5 be highly unlikely to make up any more than a tiny
6 percentage of these cases.

7 MR. PATTON: Objection, nonresponsive.

8 Q. (BY MR. PATTON) Sir, do you have any studies
9 which examine the relationship between benzene and blast
10 crisis CML?

11 A. No.

12 Q. Do you have any studies which -- which examine
13 the relationship between atypical CML and benzene
14 exposure?

15 A. No.

16 Q. Do you have any studies that examine the
17 relationship between CMML and benzene exposure?

18 A. Not that I have here today, but that is --
19 there are such studies out there.

20 Q. Are there any studies with you today that
21 examine the relationship between myeloproliferative
22 disease -- you said Raul had MPD, right?

23 A. He has MPD, yes.

24 Q. Do you have any studies which examine the
25 relationship between benzene exposure and MPD?

1 A. Not to -- not of these studies.

2 Q. So, in giving your opinions here today that
3 benzene played no role in Raul's leukemia, you chose to
4 bring with you no studies examining his specific type of
5 leukemia with benzene exposure. Fair statement?

6 MR. PERRY: Object to form.

7 A. No. That would be false. I've brought studies
8 on the specific illness he has, and these studies
9 indicate that illness is of cause unknown. That means
10 not caused by Coca Cola, not caused by Twinkies, not
11 caused by benzene. Because, for example, in the case of
12 the World Health Organization, if you look at their
13 section under myelodysplasia, you'll see comments about
14 that that illness may be caused by high exposures to
15 benzene. When you look at their section here on
16 atypical -- AML, there is no etiology because none is
17 known; and that would mean that it's not Coca Cola; it's
18 not Twinkies; it's not sugar; it's not benzene.

19 Q. (BY MR. PATTON) And would you --

20 A. It is unknown.

21 Q. Would you agree with me, Doctor, that part of
22 the reason why etiology is to some extent unknown for
23 some patients is because doctors like yourself aren't
24 asking the questions enough?

25 MR. PERRY: Object to form.

1 A. No. I think that's totally false, because if
2 you look, for example, at Dr. Aksoy's study, he studied
3 a huge number of patients, about 28 or 29,000 people.
4 And he was a hematologist trained in the United States.
5 He looked at all the slides. He classified the
6 patients, and he commented that there were no patients
7 with the phenotype of chronic -- one patient -- with the
8 phenotype of chronic myeloid leukemia among these
9 benzene-exposed subjects. And to me that is significant
10 and suggests that high level benzene exposure does not
11 cause a CML-like illness, whether it's primary CML or
12 atypical CML.

13 Q. Well, let's talk about CML-type illnesses.

14 Are you familiar with a paper by Dr. Myron
15 Mehlman about CML?

16 A. I think I've seen that.

17 MR. PATTON: I will mark as Exhibit 18,
18 Mehlman, 2006.

19 (Exhibit No. 18 marked)

20 Q. (BY MR. PATTON) Have you seen this study
21 before?

22 A. I have seen it recently, yes.

23 Q. Can you read for us on the front page there, in
24 the middle of the paragraph, under "Abstract," where it
25 says "A review"? Can you read that for us?

1 A. (Reading) A review of the epidemiologic
2 literature on workers exposed to benzene or
3 benzene-containing solvents and products shows, without
4 question, that this exposure is significantly related to
5 other types of leukemia and lymphoma.

6 Q. Continue.

7 A. "In this article, we review the literature on
8 the relationship between benzene exposure and chronic
9 myelogenous leukemia (CML) and find that benzene and
10 benzene-containing products are significantly related to
11 morbidity and mortality from CML."

12 Q. And if you turn a few pages, you see -- you've
13 read this report before, right?

14 A. Not in detail.

15 Q. If you turn to Page 112, it references a
16 previous study by Yin, which found a significant excess
17 of CML in benzene exposure. Do you have any reason to
18 disagree with that?

19 MR. PERRY: To what Yin said or what
20 Mehlman says Yin says?

21 MR. PATTON: What Mehlman says Yin says.

22 MR. PERRY: Okay. Because there's a
23 difference.

24 A. The Yin article, I think, is in -- in the -- in
25 the background of what your other expert said, and Yin

1 says there's only an increase in AML. But I can read
2 you what he says here. He says -- Infante -- looking at
3 data from benzene-exposed workers in China between the
4 year 1972 to '81 previously reported by Yin found a
5 significant excess of CML in benzene-exposed workers.

6 Q. (BY MR. PATTON) If you turn to Page 114, this
7 is in the Annals New York Academy of Sciences. Is that
8 a reputable journal?

9 A. It's a -- it's a journal. Sometimes the
10 articles are not peer reviewed, they're invited, but
11 what is it that you'd like me to --

12 Q. Well, is it a reliable source? Is this study
13 reliable?

14 A. Well, I don't -- I haven't read the source, but
15 I've seen good articles and bad in this particular
16 journal.

17 Q. On Page --

18 A. But it is a journal. Go ahead.

19 Q. On Page 114, he references that "A number of
20 other studies have shown increased risk of CML from
21 exposure to petroleum products containing benzene." And
22 he goes on to cite and talk about a handful of studies.
23 And then near the end there, he references a study by
24 Linet, which shows a 1.5-fold excess of CML revealed for
25 motor mechanics, who were exposed to gasoline.

1 Is it your testimony today, sir, that
2 there is no reliable scientific evidence connecting
3 benzene exposure and CML?

4 A. My opinion, based on the extensive studies by
5 Dr. Lichtman on the subject, who I think I referenced,
6 that there are no consistent information linking CML to
7 benzene exposure and the prevailing opinion in the
8 medical community based on the scientific peer-reviewed
9 literature and what is taught in textbooks and what is
10 taught in medical schools is there is no relationship
11 between CML and benzene exposure.

12 Q. You would agree with me, however, that there
13 are a handful of studies out there, such as the Mehlman
14 article which reviews some of these studies, which does
15 show some support for that theory, correct?

16 A. Well, it depends on what you say. For example,
17 he's reading from what he says here. The Chinese cohort
18 study of Yin reported an imprecise 2.5 excess risk for
19 CML and exposures to benzene, confidence levels 0.7 to
20 16.9. So, most people would say that's a nonsignificant
21 study. It proves nothing.

22 Q. The point I'm trying to make, Dr. Natelson, is
23 that certain patients with AML, they had CML before they
24 had AML, right?

25 A. It's a very rare circumstance; but, yes, that

1 is true.

2 Q. Do you agree that all forms of acute
3 myelogenous leukemia first go through a chronic phase,
4 or is that just not the case?

5 A. No. In fact, it's just the opposite. About
6 90 -- 85 to 90 percent of AML is de novo AML, meaning
7 it's of abrupt onset. And there's no prior
8 myelodysplastic or abnormal hematopoietic phase. The
9 remainder are secondary and there may be MDS or
10 myeloproliferative disease or chemotherapy or abnormal
11 blood counts, but the vast majority of leukemia off the
12 street is a sudden onset.

13 Q. But, in fact, the studies dealing with
14 benzene-exposed populations, compared to the general
15 populations, when they deal with AML, they do not
16 necessarily look at the complete disease progression or
17 disease picture of a given patient when drawing the
18 conclusions in their studies. Fair statement?

19 A. Well, you have to look at a particular article.
20 I mean, some articles are based on death certificates.
21 I mean, there are a lot of different types of articles.
22 And I think early on in the deposition I mentioned one
23 about erythroleukemia, in which they looked at some of
24 these things you're talking about. But, again, you have
25 to look to individual studies; and what's out there, my

1 charge, as a testifier, is to relate what is the
2 prevailing medical opinion in the scientific medical
3 community, and the prevailing opinion, based on numerous
4 articles, is that the only known cause of chronic
5 myeloid leukemia is radiation exposure.

6 MR. PATTON: Objection, nonresponsive.

7 Q. (BY MR. PATTON) Sir, I did not ask you about
8 the cause of chronic myelogenous leukemia.

9 A. All right.

10 Q. I did not ask you about radiation exposure.

11 A. All right.

12 Q. Here's my question. If you give me an answer,
13 we can take a break.

14 In all the studies that you have with you
15 today, okay, that examine the relationship between AML
16 in the general population, compared to AML in
17 benzene-exposed workers, do any of those studies track a
18 person's disease course, that's to say, showing that he
19 had CML before AML or he had MPD before AML or he had
20 MDS before AML? Can you point us to any studies that
21 make that type of critical analysis of disease
22 progression before they call someone an AML?

23 A. Let me just see. I mean, I can think of one
24 that might say that. Just see if I happen to have that
25 referenced here.

1 Well, I have one article on
2 therapy-related leukemia; but it doesn't specifically
3 get at the percentages that you're after. There are
4 such articles out there, but I have not referenced one
5 here.

6 MR. PATTON: Let's take a break.

7 THE VIDEOGRAPHER: Now going off the video
8 record. Approximate time is 2:25.

9 (Recess taken from 2:25 to 2:37.)

10 THE VIDEOGRAPHER: Now back on the video
11 record. Approximate time is 2:37.

12 Q. (BY MR. PATTON) Doctor, we took a short break
13 and I just want to round out some of what we were
14 talking about and then I want to go to a new topic.

15 A. Good.

16 Q. Okay?

17 A. Okay.

18 Q. But just to round it out just so I'm clear.

19 A. All right.

20 Q. When -- when this case goes to trial, I won't
21 have the benefit of -- of having any additional
22 documents or studies that you might rely on in forming
23 your opinions, okay? So, I just want to make sure we
24 have everything in front of you today that we're going
25 to go through that support your opinions, okay?

1 A. Yes, that's true.

2 Q. Okay. If -- first of all, have you brought any
3 studies with you that specifically examine benzene as a
4 causative factor of atypical CML?

5 A. No.

6 Q. Okay. And you wouldn't expect to see those
7 studies because they weren't really calling it atypical
8 CML until around 2000, right?

9 A. Well, but I think that -- that it would have
10 been called either CML unclassified or CML Philadelphia
11 chromosome negative. In other words, it would have been
12 lumped in with CML cases.

13 Q. Did you bring with you literature today that
14 shows benzene doesn't cause CML?

15 A. I think the Aksoy study I referenced suggests
16 that.

17 Q. Okay. The Aksoy study supports your conclusion
18 that benzene is not connected with CML?

19 A. Yes.

20 Q. Or not -- benzene doesn't cause CML.

21 Would you agree with me, however, that
22 there are some studies, including those referenced by
23 Mehlman, which do show some association between benzene
24 exposure and CML, whether or not they're statistically
25 significant, whether or not it's accepted in the

1 textbooks, but would you agree with me that there are
2 studies supporting a causative relationship?

3 A. Yes.

4 Q. Doctor, have you brought with you any studies
5 that examine benzene as a causative factor when you --
6 when you look at someone's disease through the road of
7 MDS, then on to AML; or atypical CML, then on to AML?
8 And what I'm trying to ask in maybe a different way is:
9 When we look at these studies, we're looking at a
10 snapshot of that person's disease in time. Fair
11 statement?

12 A. Yes.

13 Q. If we examine, like, the Strom study, which
14 we're going to talk about in a minute, it deals with
15 certain MDS subtypes. And you agree with me that the
16 epidemiology -- epidemiological literature you've
17 brought with you today and the EPI that deals with
18 benzene and leukemias, any leukemias, those studies take
19 a snapshot of the person's disease. Fair statement?

20 A. Yes.

21 Q. In the case of AML studies, okay, related to
22 benzene exposure, those studies take a snapshot at what
23 is essentially the end of the disease, right? You don't
24 go from AML, then back down to a different disease, on
25 Exhibit 8, do you?

1 A. No. Once you have AML, that becomes an
2 end-stage disease.

3 Q. AML is an end-stage disease, right?

4 A. Yes.

5 Q. Okay. So, if you're dealing with a study that
6 examines MDS, it's possible that the MDS individuals in
7 the study could progress to AML, right?

8 A. Yes.

9 Q. But it's not going to go back from AML because
10 it's an end-stage disease?

11 A. Yes.

12 Q. Okay. Would you agree with me that none of the
13 studies supporting your opinion that benzene played no
14 role in Raul's leukemia, you have not brought with you
15 any studies today which track the course of atypical CML
16 through to AML in the context of causation. Fair
17 statement?

18 A. In the context of causation, that would be
19 true.

20 Q. Okay. And if Raul -- well, first of all, the
21 people who perform these studies, they're not all
22 medical doctors, are they?

23 A. Which studies are we talking about?
24 Epidemiologic studies?

25 Q. All the studies we have on the table today.

1 A. Well, sometimes they're a mixture of doctors,
2 pathologists, clinicians, epidemiologists and so on.

3 Q. And I think you mentioned earlier that there
4 was a -- one of your colleagues -- or a hematologist at
5 a certain university that might always call a certain
6 disease a certain type, where others might call it a
7 different type, right?

8 A. Yes.

9 Q. What was the example you gave?

10 A. I'm trying to remember what I -- what I said
11 about that. I don't remember saying exactly that. I
12 don't remember what I said, but I -- I think I may have
13 said that some people would call blast crisis -- most
14 people would call blast crisis blast crisis. Some might
15 call it acute leukemia.

16 Q. Okay. So, some people, on death certificates,
17 for the AML patients, in some of these studies, okay,
18 once those people have passed away, there's a death
19 certificate created, right?

20 A. Yes.

21 Q. Okay. And then somebody calls it cause of
22 death; and they could call it blast crisis, or they
23 could call it AML, correct?

24 A. Yes.

25 Q. If Raul were to die, on his death certificate,

1 would it be feasible for a medical professional to call
2 it AML, given his current disease stage?

3 MR. PERRY: Object to form.

4 A. It -- it might happen because the person that
5 signs the death certificate oftentimes is not the person
6 that's taking care of the patient.

7 Q. (BY MR. PATTON) Okay. And you would agree with
8 me that when we go through all these studies here on the
9 table and all the studies you're relying on in your
10 opinions in this case, you cannot tell me with any
11 degree of certainty whether some of those AML patients
12 weren't eventually like what Raul may be, someone who
13 went through atypical CML and ended up with AML?

14 A. I can't tell you that with certainty; but I
15 would believe, based on my experience, that that would
16 be a very rare event.

17 Q. Atypical CML by its nature is a very rare
18 event, isn't it?

19 A. Yes.

20 Q. Who would you normally expect to get atypical
21 CML?

22 MR. PERRY: Object to form.

23 Q. (BY MR. PATTON) People of a certain age or
24 people of a certain race? Are there any factors that
25 you see in most atypical CMLs?

1 A. Well, there's a wide age range. I think in
2 the -- in the M.D. Anderson study, they have cases as
3 young as -- as 24 and -- and then some probably in the
4 70s and 80s. I think their mean age was in the 60s.
5 It's a little older than the mean age of standard CML.

6 Q. Raul was, like, 28 years old when he was
7 diagnosed?

8 A. Yes.

9 Q. Is that right? Less than half of the age of
10 60?

11 A. Yes, but as I say, they had cases as young as
12 age 24.

13 Q. Doesn't that support the conclusion -- or not
14 the conclusion -- but the possibility that more likely
15 than not, his disease was caused by something, as
16 compared to de novo or endogenous?

17 MR. PERRY: Object to form.

18 A. I -- I think it doesn't tell you that. In
19 other words, we don't know the cause for this illness;
20 and we don't know if it might have been something
21 congenital that didn't show up until he was 24. We
22 don't know if it was caused by an external agent. We
23 don't know if it was endogenous. But we do know that
24 when we study the -- the large number of these patients,
25 as M.D. Anderson studied, there is no known etiologic

1 factor.

2 Q. (BY MR. PATTON) You brought it up again. Did
3 M.D. Anderson specifically examine the relationship
4 between benzene exposure and atypical CML?

5 A. Not -- not that they say in that paper.

6 Q. Did they examine the relationship between chemo
7 treatment and atypical CML?

8 A. Not that they say in the paper.

9 Q. Smoking and atypical CML?

10 A. Not that they say in the paper.

11 Q. Radiation and atypical CML?

12 A. Not that they say in the paper.

13 Q. Doctor, I'm showing -- well, I just -- I want
14 to close this out, and then I'll hopefully move on to
15 the new target -- or new topic.

16 So, in forming your opinions today, is it
17 accurate to say that you can't bring me a single study
18 which examines Raul's specific disease type. Instead,
19 in forming your opinions, you have to put together these
20 other multiple pieces of studies, so to speak, in
21 drawing your conclusion of benzene played no role?

22 MR. PERRY: Object to form. Misstates his
23 testimony.

24 A. Well, I think that that's not a correct way to
25 phrase it. For example, in the case of M.D. Anderson,

1 if you study any patient they work up for leukemia,
2 there is always a long, dictated history and physical,
3 in which they go into their smoking history, any
4 evidence of any prior myelodysplastic disease, any
5 evidence of this and that, their alcohol history. They
6 have very extensive histories and physicals. There's no
7 reason to think that when they came across an atypical
8 CML patient, they would suddenly not do that and take a
9 different kind of history and physical and omit all of
10 those data.

11 So, I have every reason to believe that
12 all of those patients that they reported were questioned
13 intensively; and if they felt there was an etiologic
14 factor, they would put it in their report.

15 Q. (BY MR. PATTON) Where is this report you're
16 talking about?

17 A. That's probably -- is it this one? No, I don't
18 think so.

19 Q. Is this it?

20 A. That's it, yes.

21 Q. Okay. Let me take a look at it.

22 MR. PERRY: Which reference number is it?

23 MR. PATTON: Exhibit 16, Reference 4.

24 A. Is that the one? Yeah, it must be.

25 Dr. Kantarjian is on it?

1 Q. (BY MR. PATTON) Yes.

2 MR. PERRY: So, the lead author is Onida,
3 O-N-I-D-A?

4 THE WITNESS: Yeah.

5 MR. PERRY: It's also Reference 5.

6 Q. (BY MR. PATTON) Doctor, I've just reviewed
7 Exhibit 16, and granted, I did it rather quickly; but
8 there are two words I didn't see appear anywhere in this
9 study. Word one is cause; and word two is benzene. So,
10 I don't see where this study even examines cause. Am I
11 wrong?

12 A. Well, what I'm telling you is -- you're -- what
13 you're suggesting is that when an M.D. Anderson doctor
14 encounters a patient with this illness --

15 Q. Dr. Natelson --

16 A. -- they change their way of doing a history and
17 physical.

18 Q. Let me stop you right there. I'm not asking
19 you or suggesting anything. I am asking you whether
20 this study, Exhibit 16, regardless of what the
21 M.D. Anderson doctors do or don't do, my question is
22 this: Where in here does it examine cause?

23 A. I think I've -- I've said before, it does not;
24 and does not mention benzene.

25 Q. Okay. Thank you.

1 New topic.

2 A. All right.

3 Q. I'm going to mark as Exhibit 19, the Strom
4 study. You're familiar with the Strom, 2005,
5 M.D. Anderson study?

6 A. I have two of her papers. One, I think, more
7 recent than that. Let me see if it's the one I'm
8 thinking of.

9 Q. This one does examine cause?

10 A. Yes, I am familiar with this study.

11 (Exhibit No. 19 marked)

12 Q. (BY MR. PATTON) Can you turn to Page 1915,
13 Table 3?

14 A. 1915, Table 3.

15 MR. PERRY: This is Strom, "Risk factors
16 of myelodysplastic syndromes: a case-control study"?

17 MR. PATTON: Yes, sir.

18 Q. (BY MR. PATTON) And, Dr. Natelson, preliminary,
19 okay? I recognize that you do not consider Raul's
20 disease to be an MDS, right?

21 A. That's correct.

22 Q. Okay. Would you agree with me, though, that
23 Table 3 -- well, MDS is a form of myeloid disease. It
24 is a myeloid leukemia, so to speak, correct?

25 MR. PERRY: Object to form.

1 A. It's not a leukemia. It is a myeloid disease.

2 Q. (BY MR. PATTON) It's a bone marrow disease,
3 right?

4 A. It's a bone marrow disease.

5 Q. Okay. This study at Table 3, you see the
6 category "Benzene/solvent/gasoline"?

7 A. We're talking Table 3. I see "Family history,"
8 "Smoking," "Alcohol," "Fertilizer/pesticide,"
9 "Benzene/solvent/gasoline," yes.

10 Q. Okay. And it shows for the high exposure
11 category, it shows a doubling of the risk; and it is
12 statistically significant for all MDS, correct?

13 A. Let's see. Where am I looking at? All MDS --
14 yes -- yes.

15 Q. Okay. Doctor, would you agree with me that
16 this study by the folks at M.D. Anderson supports the
17 conclusion that benzene/solvent/gasoline exposure, at
18 high exposure, they go through in there and they don't
19 really detail what exactly they classify as high, but it
20 recognizes a statistically significant risk between this
21 form of bone marrow disease and gasoline/solvent/benzene
22 exposure, correct?

23 A. Well, I think -- let me just read again the
24 conclusion. I thought -- well, let me just look at this
25 case just a little further.

1 This particular study was at a time when
2 chronic myelomonocytic leukemia was included in the MDS
3 category, and I believe that this study demonstrated
4 that CMML and RARS were not associated with benzene or
5 solvent exposure. And if you looked at the table, it
6 says what you said, but I believe that those two
7 diseases did not have that association.

8 Q. That's an interesting point you bring up.
9 Because when you look at the table I cite, Table 3, it
10 lumps the diseases together, right? And then there are
11 other tables where they -- they split it out a little
12 more, correct?

13 A. Yeah, that's correct.

14 Q. Would you agree with me that where the diseases
15 are lumped together, there's a little more statistical
16 power in that analysis?

17 MR. PERRY: Object to form.

18 A. Well, it may be more statistical power; but
19 you're lumping -- you might be lumping apples with
20 oranges. So, you -- you gain by numbers, but you may
21 lose by content.

22 Q. (BY MR. PATTON) But when you split too much --
23 and you're a splitter, right?

24 A. Well, I'm -- I'm -- let's say, I'd like to put
25 the right disease in the right -- the right peg in the

1 right hole.

2 Q. But when you split too much, you might miss
3 something, correct?

4 MR. PERRY: Object to form.

5 A. Well, if you have like diseases. But as I say,
6 the CMML is a myeloproliferative disease and -- and no
7 longer is in the MDS category, and that's one of the
8 problems you encounter when you constantly change
9 classifications, instead of simply looking at diseases
10 as specific entities.

11 Q. (BY MR. PATTON) But when you look too
12 specifically, you might lose the forest through the
13 trees, so to speak?

14 MR. PERRY: Object to form.

15 A. Well, I think you might have a more accurate
16 study when you look specifically at the particular
17 disease.

18 (Exhibit No. 20 marked)

19 Q. (BY MR. PATTON) Sir, I'm going to show you what
20 I've marked as Exhibit 20; and this is a document from
21 the API. Do you know what the API is?

22 A. American Petroleum Institute or something like
23 that. Yes, American Petroleum Institute.

24 Q. Okay. And I'm going to mark as Exhibit 21,
25 something I found on the Internet that talks about what

1 the API is and what it does.

2 First of all, are you a member of the API?

3 A. No.

4 Q. Have you -- I hand you Exhibit 21 there.

5 (Exhibit No. 21 marked)

6 Q. (BY MR. PATTON) Have you relied on studies
7 funded by the API in reaching some of your opinions in
8 this case?

9 A. I have no idea.

10 Q. Okay. If you look at Exhibit 21, sir -- I gave
11 you the wrong copy.

12 A. I'm sorry.

13 Q. If you look at Exhibit 21 -- if you look at
14 Exhibit 21, sir, it talks about what the API does as far
15 as studying chemicals, things of that nature. Do you
16 see that? If you look four bullet points down.

17 MR. PERRY: You're looking at 20 right
18 now.

19 Q. (BY MR. PATTON) Yeah, you're looking at 20
20 right now, sir.

21 MR. PERRY: He's talking about 21.
22 Dr. Natelson, the other one.

23 A. Oh, this.

24 MR. PERRY: That one. That's what he's
25 talking about, 21.

1 A. I'm sorry.

2 Q. (BY MR. PATTON) Go down to the fourth bullet
3 point.

4 A. Yes.

5 Q. It talks about "To advise promptly appropriate
6 officials, employees, customers and the public of
7 information on significant industry-related safety,
8 health and environmental hazards, and to recommend
9 protective measures."

10 Do you see that?

11 A. Yes.

12 Q. And then if you go down a few more, you see "To
13 extend knowledge by conducting or supporting research on
14 the safety, health and environmental effectiveness of
15 our raw material, products, processes and waste
16 materials."

17 Do you see that?

18 A. I see that.

19 Q. Okay. What I've showed you marked as
20 Exhibit 20 is an API Toxicological Review of benzene
21 dated 1948. Have you ever seen this document before?

22 A. If I have, I don't recall.

23 Q. Okay. I want to go through -- first of all,
24 this is the API. Again, it's kind of a research
25 conglomerate of industry organizations, so to speak; but

1 this is a document they put out some 60 years ago about
2 the dangers of benzene.

3 If you turn to the second page, "Probable
4 Sources of Contact," do you see where I've marked "By
5 far the greatest" amount of benzene -- "amounts of
6 benzene are blended into motor gasolines," do you see
7 that?

8 A. Yes.

9 Q. Okay. Turn to the next page, and do you see
10 where it says "Chronic Effects"?

11 A. Yes.

12 Q. Can you read that for us?

13 A. "Chronic benzene poisoning results from
14 repeated or continuous exposure to relatively low
15 concentrations of benzene vapor."

16 Q. Do you agree or disagree with that statement?

17 A. Well, it certainly can be true. I can't
18 disagree with that.

19 Q. And can you read on, "The level"?

20 A. "The level and degree of exposure necessary to
21 produce poisoning apparently vary widely."

22 Q. Do you agree or disagree with that?

23 A. No, I disagree with that. I think -- this is a
24 1948 article. I would hope that in the last 50 or
25 60 years we've learned a little more than -- than what

1 they have here.

2 Q. Is it your testimony, sir, that we've learned
3 that chronic benzene -- the chronic effects of exposure
4 to benzene over time just really aren't that
5 significant? Is that your position?

6 A. No, my -- my position is that they are
7 significant, but it's the cumulative-dose level that one
8 has. So, low levels over a long enough period of time,
9 yes, can be toxic; but what we're really looking at is
10 the cumulative dose and -- and that it takes a
11 considerable dose of benzene to produce these illnesses.

12 Q. Let's go over to the next column on Page -- I
13 think it's Page 3. Do you see where I marked
14 "Practically all"?

15 A. Yes.

16 Q. Can you read that for us?

17 A. "Practically all of the chronic effects of
18 exposure are a result of the influence of benzene or its
19 oxidation products on the blood-forming system. A
20 variety of reactions may be encountered, and there is
21 little correlation between the degree and duration of
22 exposure and the severity or nature of the findings in
23 the blood on microscopic examination. There is no
24 single change in the blood or blood-forming organs which
25 is universally present in benzene poisoning."

1 Q. Do you agree or disagree with that statement?

2 A. I would -- I would disagree with that
3 statement.

4 Q. This seems to imply that there is no single
5 change in the blood or the bone marrow which is
6 universally present in benzene poisoning, rather a
7 variety of reactions or responses by someone's body may
8 occur. You don't agree with that?

9 A. No. I think there is evidence, as I cited in
10 my letter, what the bone marrow looks like in chronic
11 benzene poisoning.

12 Q. Let's turn to the next page. You see where
13 I've marked in the right-hand column "Chronic benzene
14 poisoning is extremely refractory to treatment." What
15 does "refractory" mean?

16 A. "Refractory" means it doesn't respond well.

17 Q. How did Raul Zendejas respond to treatment?

18 A. Well, he didn't respond well.

19 Q. Okay. Go on down where it says "Examinations."
20 This talks about a preemployment examination of benzene
21 workers, which should include a detailed history,
22 physical, chest X-ray and a complete blood count. Do
23 you think that's helpful from a safety and health
24 standpoint?

25 A. Well, as a hematologist, I think it's good for

1 people to get complete blood counts.

2 Q. I agree.

3 And it says anyone with history of
4 previous benzene intoxication or any evidence of
5 abnormality of the blood or blood-clotting mechanism,
6 they should be eliminated from such employment.

7 Do you see that?

8 A. Yes.

9 Q. Okay. Do you agree with that?

10 A. Well, I think if somebody has a -- has certain
11 kinds of anemias or bone marrow hypoplasia, they
12 shouldn't be around potentially myelosuppressive drugs.

13 Q. Okay. Next page. You see where I've marked,
14 individuals exposed to concentrations approaching the
15 safe limits should be examined at monthly intervals at
16 least?

17 Do you see that?

18 A. Which page are you --

19 MR. PERRY: Which page is this?

20 Q. (BY MR. PATTON) Second from the last.

21 MR. PERRY: The last being the references?

22 A. Yeah, "Periodic" -- I see. Periodic
23 re-examinations should be carried out regularly, their
24 frequency being determined by the severity of the
25 exposure. Individuals exposed to concentrations

1 approaching the accepted "safe" levels should be
2 examined at monthly intervals at least. The examination
3 should include a brief interval history and physical
4 examination, together with a complete blood study,
5 including white and differential counts and estimate of
6 the platelet levels. The ratio of inorganic to total
7 urinary sulfates should be determined in order to detect
8 the presence of excessive absorption and excretion of
9 benzene.

10 Q. (BY MR. PATTON) And it says at the end there,
11 "It would be well to continue periodic blood counts for
12 several months after exposure has ceased."

13 Do you see that?

14 A. Yes.

15 Q. Doctor, you recognize the blood and urine tests
16 are or can be an important part for companies to
17 evaluate the -- the status of benzene exposure to its
18 workers?

19 A. Yes.

20 Q. That's an API document, right?

21 A. Yes.

22 Q. Are you aware that the API opposed increased
23 safety standards for benzene-exposed workers?

24 MR. PERRY: Object to form.

25 A. No.

1 Q. (BY MR. PATTON) Are you aware that the API --
2 that OSHA implemented a lower threshold standard,
3 meaning increased safety, and the API challenged that
4 increased standard all the way to the Supreme Court?
5 Are you aware of that?

6 MR. PERRY: Object to form.

7 A. No.

8 Q. (BY MR. PATTON) Exhibit 2 is your CV. Is this
9 a complete and accurate current copy of your CV?

10 A. Yes.

11 Q. I marked as Exhibit 3, your deposition notice.
12 In this deposition notice, it basically asks you to
13 bring anything and everything having to do with this
14 case or supporting your opinions. Have you done that
15 today?

16 A. Yes.

17 Q. We're going to mark all the studies near the
18 end, but I just want to make sure.

19 Are there any other studies in the
20 universe of scientific literature which you may rely on
21 in forming your opinions that you did not bring with you
22 today?

23 A. No.

24 Q. Okay. Exhibit 4, this is a list of your
25 charges; is that right?

1 A. Yes.

2 Q. You worked 12-and-a-half hours at first and
3 then another 7 hours before -- yesterday; is that right?

4 A. Yes.

5 Q. And you charge how much per hour to testify?

6 A. \$250 an hour to review and phone calls,
7 et cetera. \$400 an hour to testify.

8 Q. Okay. Exhibit 7, I don't have a copy of, but
9 if you want, I can get a copy, if it will make it easier
10 to go through -- I think we're going to need a copy.

11 MR. PATTON: Let's go off the record.

12 THE VIDEOGRAPHER: Now going off the video
13 record. The approximate time is 3:04.

14 (Recess taken from 3:04 to 3:19.)

15 THE VIDEOGRAPHER: Now back on the video
16 record. Approximate time is 3:17.

17 Q. (BY MR. PATTON) Dr. Natelson, we took a break
18 and I've marked as Exhibit 7 -- actually, before we
19 started your deposition. Exhibit 7 is a list of
20 testimony you've given in cases either by trial or
21 deposition; is that right?

22 A. Yes.

23 Q. This dates back to 2004, correct?

24 A. Yes.

25 Q. What year did you first start testifying in

1 benzene exposure cases?

2 A. Probably -- I don't know exactly, but it would
3 be probably in the early to mid-1990s.

4 Q. I counted -- we counted through these together,
5 the cases on this list since 2004 that you've testified
6 in which involved benzene; and it's a little over 30; is
7 that right?

8 A. If -- I wasn't counting while you were -- but
9 that -- that's probably so.

10 Q. Okay. Sir, have you ever testified on behalf
11 of a plaintiff in a benzene exposure case?

12 A. No.

13 Q. Have you always testified on behalf of
14 industry?

15 A. Of the defense, yes.

16 Q. Was it your testimony in each of these cases
17 that benzene was not a substantial factor in the
18 person's disease?

19 A. Yes.

20 Q. Was it your testimony in each of these diseases
21 that benzene was not -- that there was not a 51 percent
22 chance that benzene caused the disease?

23 MR. PERRY: Object to form.

24 A. I don't know that I used that number, but I --
25 I testified that I -- that more likely than not benzene

1 was not the causative factor.

2 Q. (BY MR. PATTON) Did you testify in any of these
3 30 or so cases that benzene was a causative factor in
4 the disease?

5 A. No.

6 Q. Most of these cases arise down here in the
7 Texas Gulf Coast, correct?

8 A. Most of them, yes.

9 Q. Sir, if someone wanted to hire you to testify
10 for a plaintiff in a benzene exposure case, what
11 criteria would you have to see before giving the opinion
12 that benzene was -- was a substantial factor in the
13 person's disease?

14 A. Well, first, it would have to be a disease that
15 benzene is known to potentially cause. That could be
16 aplastic anemia, certain forms of acute myeloid
17 leukemia, certain forms of myelodysplasia. So, it would
18 have to be the right disease.

19 Then it would be -- have to be a person
20 who had a substantial benzene exposure.

21 Then it would have to be a person in whom
22 there were no complicating factors, potential other
23 causes, such as -- other potential causes other than
24 benzene, for example, smoking or chemotherapy, other
25 potential causes.

1 Q. Would you agree with me that someone's given
2 form of myeloid leukemia may have more than one
3 substantial cause?

4 A. I don't know that to be so. I think we like to
5 look for -- in medicine we operate under Ockham's law,
6 that there is one cause for the illness. There may be
7 multiple manifestations, but we like to look for a
8 single causative factor.

9 Q. When you said the exposure to benzene would
10 have to be substantial, what do you mean by that?

11 A. Above 40-part-per-million years, cumulative
12 dose.

13 Q. And who would you expect to give you that
14 assessment of cumulative dose?

15 MR. PERRY: Object to form.

16 A. It could be someone who is involved in those
17 types of measurements.

18 Q. (BY MR. PATTON) You would need to see some type
19 of report from another expert?

20 A. Yes, I couldn't determine that myself, in other
21 words.

22 Q. But as a practitioner, have you determined that
23 benzene was a substantial cause of someone's disease
24 without looking at a cumulative report by an expert?

25 A. Yes, in certain circumstances, yes.

1 Q. But to testify, you would want a cumulative
2 report?

3 A. I think I would like to see a cumulative
4 report. And I -- also, I would like to see other
5 factors commonly not mentioned. We know that
6 chemical-induced leukemia, 90 percent of the time, has
7 easily demonstrable chromosome aberrations. So, I'd
8 like to see if they were present and what type of
9 aberrations they were because certain aberrations have
10 never been described with benzene poisoning or
11 benzene-induced leukemia.

12 I also would like to know -- I think I
13 commented about competitive factors or other risk
14 factors.

15 (Exhibit No. 22 marked)

16 Q. (BY MR. PATTON) I am going to mark as
17 Exhibit 22, a document from the API. Have you ever seen
18 this document before?

19 A. No, I don't think so.

20 Q. Sir, I'll represent to you that this is a
21 document that was produced in this case; and it is
22 meeting minutes from 1991 from the API when they were
23 talking about research to conduct in conjunction with
24 their international symposium on the health effects of
25 gasoline. They're studying -- or they're examining what

1 kind of studies and research they may want to do with
2 respect to benzene and gasoline.

3 Do you understand that?

4 A. Yes.

5 Q. Have you ever been invited to such a meeting on
6 behalf of the API?

7 A. No.

8 Q. Have you ever been invited to such a meeting on
9 behalf of Shell?

10 A. No.

11 Q. Have you ever been to the Doral Resort and
12 Country Club in Miami, Florida?

13 A. No.

14 Q. Okay. That's where they had this meeting. And
15 just so we're clear, sir, you've never been a part of
16 any such API activities?

17 A. No.

18 Q. If asked to be involved, would you do so?

19 A. Probably not.

20 Q. The next exhibit I am going to mark -- is it
21 because you don't golf or you just don't have the time?

22 A. Well, I only golf once every ten years and it's
23 not the right time for me. And I hate to travel. I
24 hate to fly. And, so, I -- I would probably not go to a
25 meeting like that.

1 Q. So, you wouldn't be interested in going to the
2 Doral Resort and Country Club in Miami, Florida --

3 A. No.

4 Q. -- to talk about benzene and gasoline research?

5 A. No.

6 Q. I am going to mark as Exhibit 23, a -- some
7 sections from a textbook titled Myelodysplastic
8 Syndromes, and Dr. Gore, plaintiffs' expert in this
9 case, he was an author of some of the sections of this
10 textbook.

11 (Exhibit No. 23 marked)

12 Q. (BY MR. PATTON) Have you ever seen this
13 textbook before?

14 A. No.

15 Q. If you turn to the second page there, Page 17,
16 it talks about "Probable Causative Factors for MDS"; and
17 it's got a little Table 3.1.

18 A. Yes.

19 Q. And it talks about "Potential causative agents
20 in the etiology of MDS," again, a bone marrow disease.

21 A. Uh-huh.

22 Q. And it talks about definite causes, chemo
23 drugs. And you testified earlier that it's easy to call
24 chemo drugs a possible cause of someone's leukemia
25 because you can so quickly observe the dose they were

1 given and then the response?

2 A. You can accurately receive the dose, and the
3 follow-up is very good on chemotherapy patients. So,
4 you know the latency period very accurately.

5 Q. Now, for probable causes, which include
6 benzene, it's a little -- it's a little tougher to call
7 the definite cause because you have to make certain
8 estimations, right?

9 A. Yes.

10 Q. Do you think it would be possible to cause --
11 to call benzene the definite cause of someone's disease?

12 A. Well, I don't think so because I couldn't do
13 that with anything. We don't have -- there's no marker
14 on any case of AML that says this was caused by this,
15 and that was caused by that. We can only say in all
16 probability whether it was or wasn't.

17 Q. The next exhibit, Doctor, I will mark as
18 Exhibit 24.

19 (Exhibit No. 24 marked)

20 MR. PATTON: I'm almost done.

21 Q. (BY MR. PATTON) This is Exhibit 24. This is a
22 study from -- this is a study regarding the Tranguch
23 Gasoline Spill in Pennsylvania.

24 Have you ever seen this study before?

25 A. No.

1 MR. PERRY: Is that the lead author,
2 Patel?

3 MR. PATTON: Yes.

4 Q. (BY MR. PATTON) Have you read the Abstract on
5 the front there? And I'll give you a couple minutes to
6 look through this.

7 A. I see the Abstract.

8 Q. Do you see where it indicates a statistically
9 significant four-fold risk for leukemia from those who
10 lived near this gasoline spill?

11 A. Yes.

12 Q. Do you understand this study deals with
13 gasoline being spilled from underground leaky storage
14 tanks?

15 A. Yes.

16 Q. Okay. Do you understand my client, Raul
17 Zendejas, stood on top of a loading rack with a large
18 hose that unloaded gasoline into tanker trucks and into
19 other -- other vessels, so to speak?

20 MR. PERRY: Object to form.

21 A. Yes.

22 Q. (BY MR. PATTON) Would you agree with me that
23 someone who was top loading gasoline without any vapor
24 recovery measures is going to be exposed to more benzene
25 than someone who lives in an area where there is benzene

1 underground?

2 MR. PERRY: Object to form.

3 Q. (BY MR. PATTON) Or do you -- or do you have no
4 opinion?

5 A. I have no opinion on that.

6 Q. Would you agree with me that this study
7 supports the conclusion that benzene via gasoline
8 exposure has in some populations showed an increased
9 risk of leukemia?

10 MR. PERRY: Object to form. Misstates
11 the --

12 A. Well, I would have to comment, that there are a
13 very tiny number of cases; and it is -- it is
14 statistically significant. I see what it says, and I
15 would say it's a very small study.

16 Q. (BY MR. PATTON) You have criticisms of this
17 study because of its size?

18 A. Yes. When you only have four cases, you have
19 one case expected and you see four, there are clusters
20 of leukemia that occur without known cause. We had one,
21 I can recall, from a small town in Texas when I was a
22 house officer and everybody went down there at -- there
23 was no reason for it and it didn't reoccur. And we had
24 about five or six cases that all came to Methodist
25 Hospital; and then just as quickly as they started, they

1 disappeared. It was just a cluster, and there was no --
2 no chemical, no sickness, no nothing anybody could put
3 their fingers on. And these things happen. When you
4 only have four cases, that's not enough to be certain
5 about anything, I don't think.

6 Q. Speaking of epidemiology in general, are you an
7 epidemiologist?

8 A. No.

9 Q. As a health professional, would you agree with
10 me that all the studies you brought with you today, as
11 well as the studies that plaintiffs' experts rely on,
12 all of those are subject to evaluation; they're all
13 subject to praise and subject to criticism. Fair
14 statement?

15 A. Yes.

16 Q. We could go through any of these studies, pull
17 out some strengths, some weaknesses, come up with some
18 arguments on both sides of these studies, correct?

19 A. Yes.

20 Q. But at the end of the day, you do agree with me
21 that benzene is shown consistently throughout the
22 epidemiological literature to support a -- that
23 benzene -- the conclusion that benzene causes leukemia?

24 MR. PERRY: Object to form.

25 A. Benzene may cause acute myeloid leukemia.

1 Q. (BY MR. PATTON) Benzene may cause certain forms
2 of MDS on your chart there, Exhibit 8, right?

3 A. Yes.

4 Q. You do not believe benzene causes atypical CML?

5 A. No.

6 Q. Have you reviewed Dr. Infante's opinions in
7 this case?

8 MR. PERRY: He hadn't gotten the
9 deposition yet, and Infante didn't prepare a report.

10 Q. (BY MR. PATTON) Have you reviewed Dr. Gore's
11 deposition?

12 A. Yes.

13 Q. I think I asked you this earlier, and I'm not
14 going to ask you again.

15 To finish up here, Exhibit 6 to your
16 deposition seems to be some State Fund, Arizona,
17 workers' compensation records. What is the
18 significance, if any, of those?

19 A. Nothing to me. This was just material I was
20 sent.

21 Q. Okay. Doctor, what I want to do is go through
22 all the exhibits real quick.

23 No. 1 is your report, correct?

24 A. Yes.

25 Q. Two is your CV?

1 A. Yes.

2 Q. Three is your deposition notice?

3 A. Yes.

4 Q. Four are the billing records?

5 A. Yes.

6 Q. No. 5 is certain medical records. You, in
7 fact, have on disk additional medical records, right?

8 A. Correct.

9 Q. Is there any significance in some of the
10 records that you've printed, which are in Exhibit 5,
11 that bear on your opinion or your interpretation of
12 Raul's diagnosis?

13 A. Well, the statement by this physician -- I'm
14 not sure how you pronounce the name -- Fayssoux, who
15 indicates the diagnosis was myeloproliferative disorder
16 and that he had a chronic myeloproliferative disorder.

17 I thought the bone marrow report was kind
18 of interesting. It's -- it's a minor point, but the --
19 when we look at myelodysplasia, one of the things we
20 look for are what are called Pelger-Huet cells. And
21 normally a neutrophil, or a granulocyte, has multiple
22 lobes in it; and that's what we mean when we call it a
23 poly. It's got multiple nuclear lobes.

24 A Pelger-Huet cell is a mature
25 granulocyte, but it's like a bow tie. It only has two

lobes. And what happens to these cells is normally granulocytes mature in the marrow and they come out and circulate. And many years ago it was shown that if you acquired Pelger-Huet cells, that is to say, you found somebody who didn't used to have them and now they had them in the peripheral blood, it was a sign of underlying myelodysplasia, myelodysplastic syndrome, sick bone marrow, acute leukemia. It was not a good thing to have Pelger-Huet cells in the peripheral blood. But it's a peripheral blood phenomenon. In other words, a Pelger-Huet cell, by definition, to be a Pelger-Huet cell, must be seen in the peripheral blood. And what this report says, it says there is a pseudo Pelger-Huet anomaly, mostly in the bone marrow, not on the peripheral blood smear.

Well, if it's not in the peripheral blood smear, it's not a Pelger-Huet anomaly. In other words, that's a peripheral blood phenomenon.

And, so, some people think they can look at a bone marrow and look at the immature cells and tell whether they're going to -- going to grow up to be a Pelger-Huet cell, but the bottom line is, by convention, that's a peripheral blood finding.

So, I think this report is not accurate from that standpoint. It's a minor point --

1 Q. Are those --

2 A. -- and doesn't change the diagnosis.

3 Q. Are those abnormal cells generated in the bone
4 marrow?

5 A. They're generated in the bone marrow, but the
6 bottom line is, you see, in order to know that they
7 wouldn't fully develop, they've got to be able to mature
8 and come out and circulate. And when they come out and
9 circulate and they're still not mature, you can know
10 there was some inhibition of the maturation process.
11 While they're still in the bone marrow, they've still
12 got time to mature.

13 So, it's hard to be really accurate by
14 calling Pelger-Huet cells in the bone marrow; and that's
15 why, by convention and by all descriptions and by every
16 comment in textbooks, it's a peripheral blood
17 phenomenon. And, so, I thought the report was
18 interesting when he says I didn't see them in the
19 peripheral blood. I saw them in the bone marrow.
20 That's his tilt. In other words, that's -- they're not
21 a bone marrow phenomenon.

22 Q. Is it a bone -- is it a -- a cell abnormality,
23 though?

24 A. It is a cell abnormality, that's right.

25 Q. Raul did have that cell abnormality?

1 A. Well, the pathologist said he had it in the
2 bone marrow. As I say, you could use the term -- I
3 think there is maturation abnormalities in the bone
4 marrow. I think when you use the Pelger-Huet term,
5 you're talking about an observation in the peripheral
6 blood; and he didn't have that observation in the
7 peripheral blood, as the doctor indicates. I just
8 thought it was cute. It doesn't have any influence on
9 the case whatsoever, but I thought it was interesting.
10 That's why I highlighted it.

11 Q. Exhibit 6, the State Fund records.

12 Exhibit 7 is your testimony list?

13 A. Yes.

14 Q. Can you write "Dr. Natelson" at the bottom of
15 Exhibit 8 there with -- you have a pen next to you?

16 MR. PERRY: There's the red marker right
17 there, the one you used.

18 A. Oh, this. Oh, this.

19 MR. PERRY: That's Exhibit 8.

20 A. What would you like me to write?

21 Q. (BY MR. PATTON) Just write your name on it
22 somewhere.

23 A. (Witness complies.)

24 Q. Okay. I'll take that.

25 A. Oh, okay.

1 Q. Exhibit 9 through 19 are all studies.

2 Exhibit 20 and 21 are API references.

3 Can I see those?

4 A. These.

5 Q. Twenty-two is the meeting at the Doral Resort
6 and Country Club in Miami, Florida where you weren't
7 invited. I meant that as humor.

8 Twenty-three is the MDS textbook.

9 Twenty-four is the Tranguch study.

10 And 25 is the Cortes and Kantarjian,
11 M.D. Anderson article, "Beyond Chronic Myelogenous
12 Leukemia," correct?

13 A. Yes.

14 Q. That's Exhibit 25.

15 (Exhibit No. 25 marked)

16 Q. (BY MR. PATTON) And 26 -- Exhibit 26 -- I will
17 mark -- is the Adegoke study. I think we talked about
18 this a little earlier.

19 A. We did.

20 Q. And then as a group exhibit -- I will mark the
21 remainder of your studies as Exhibit 27.

22 (Exhibit No. 26 and 27 marked)

23 Q. (BY MR. PATTON) And this includes a Vardiman
24 article; Schnatter, Lewis; Williams and Paustenbach;
25 your article, Dr. Natelson, on benzene-induced AML; the

1 U.K. study by Sorahan; Satin, S-A-T-I-N, "A 50-Year
2 Mortality Follow-up of a Large Cohort of Oil Refinery
3 Workers in Texas; Catenacci, C-A-T-E-N-A-C-C-I,
4 "Myelodysplastic syndromes: A comprehensive review"; a
5 letter to the editor from Blood, 2006, about JAK2
6 mutation; therapy induced -- or therapy-related leukemia
7 by Rund; Pedersen-Bjergaard, "Myelodysplastic
8 Syndromes"; an article in The Oncologist by
9 Dr. Lichtman; CML, "Chronic Myeloid Leukemia," by
10 Druker; Lynge, L-Y-N-G-E, "Risk of Cancer and Exposure
11 to Gasoline Vapors"; RARS article; Linet article.

12 MR. PERRY: L-I-N-E-T.

13 Q. (BY MR. PATTON) Vardiman article; Silver;
14 Beran, Dr. Beran, "Prognostic significance of
15 monocytosis in patients with MDS"; Orazi; Ruiz; Tefferi;
16 Morgan; Eastmond; Whysner; Smith; Gulf War and Health;
17 Cole; Schechter, Hematology 1999; Pazdur, Cancer
18 textbook; Pamela Williams and Dennis Paustenbach; Aksoy;
19 Wong; Satin; Wong; Sorahan; Divine; Gun; Bloemen; Wong;
20 ATSDR, 1995, "Toxicological Profile For Gasoline."

21 Sir, is it going to be your testimony in
22 this case that because gasoline is not classified as a
23 carcinogen, that the benzene in gasoline cannot cause
24 myeloid damage?

25 MR. PERRY: Object to form.

1 Q. (BY MR. PATTON) I think I asked that before.

2 A. No, it's just -- it's just an observation.

3 Q. Okay. Zhang article; Olney article; the Monash
4 Health Watch 2007 update; ATSDR, "Toxicological Profile
5 for Benzene, August 2007"; Jamall; and Swerdlow.

6 Let's take a short break.

7 Actually, I have one more. The Irons
8 article.

9 I think this is my last question. Did
10 Raul Zendejas have any hypocellular bone marrow and
11 dyserythropoietic changes?

12 A. Well, his bone -- hypocellular means few cells.
13 He had a hypercellular bone marrow, meaning lots of
14 cells. So, he had the opposite.

15 Q. And what's that dyser --

16 A. Dyserythropoiesis just means morphologic
17 dysplastic changes. The cells look funny.

18 MR. PATTON: Okay. Let's go off the
19 record real quick.

20 THE VIDEOGRAPHER: Now going off the video
21 record. Approximate time is 3:41.

22 (Recess taken from 3:41 to 3:48.)

23 MR. PATTON: I have no further questions.

24 Doctor, thank you for your time; and we'll
25 attach all the exhibits to the deposition.

(Proceedings concluded at 3:48 p.m.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 CHANGES AND SIGNATURE

2 WITNESS NAME: Ethan Natelson, M.D.

3 DATE OF DEPOSITION: September 25, 2009

4 PAGE LINE CHANGE REASON

5 _____

6 _____

7 _____

8 _____

9 _____

10 _____

11 _____

12 _____

13 _____

14 _____

15 _____

16 _____

17 _____

18 _____

19 _____

20 _____

21 _____

22 _____

23 _____

24 _____

25 _____

1 I, ETHAN NATELSON, M.D., have read the foregoing
 2 deposition and hereby affix my signature that same is
 3 true and correct, except as noted above.

4
 5 _____
 6
 7 ETHAN NATELSON, M.D.

8 THE STATE OF _____)
 9 COUNTY OF _____)

10 Before me, _____, on this day
 11 personally appeared ETHAN NATELSON, M.D., known to me or
 12 proved to me on the oath of _____ or through
 13 _____ (description of identity card
 14 or other document) to be the person whose name is
 15 subscribed to the foregoing instrument and acknowledged
 16 to me that he/she executed the same for the purpose and
 17 consideration therein expressed.

18 Given under my hand and seal of office on this ____
 19 day of _____, _____.
 20

21 _____
 22 NOTARY PUBLIC IN AND FOR

23 THE STATE OF _____

24 My Commission Expires: _____
 25

IN THE SUPERIOR COURT OF THE STATE OF ARIZONA

IN AND FOR THE COUNTY OF MARICOPA

RAUL ZENDEJAS and)

ARACELI ZENDEJAS,)

Plaintiffs)

VS.)

) NO. CV-2007-005399

SHELL OIL COMPANY, SHELL)

CHEMICAL LP, Individually and)

as Successor-in-interest to)

SHELL CHEMICAL CORPORATION;)

CONOCOPHILLIPS COMPANY, VON)

VERDE CITRUS PACKING HOUSE,)

INC., an Arizona corporation;)

VON VERDE HARVESTING, INC., a)

dissolved Arizona)

corporation; and VON VERDE)

CITRUS GROWERS COOPERATIVE,)

INC., a dissolved Arizona)

Corporation,)

Defendants.)

REPORTER'S CERTIFICATE

ORAL VIDEOTAPED DEPOSITION OF ETHAN NATELSON, M.D.

September 25, 2009

I, Kelly Hanna, Certified Shorthand Reporter in and for the State of Texas, hereby certify to the following:

That the witness, ETHAN NATELSON, M.D., was duly sworn and that the transcript of the deposition is a true record of the testimony given by the witness;

That the deposition transcript was duly submitted on _____ to the witness or to the attorney for

1 the witness for examination, signature, and return to me
2 by _____.

3 That pursuant to information given to the deposition
4 officer at the time said testimony was taken, the
5 following includes all parties of record and the amount
6 of time used by each party at the time of the
7 deposition:

8 Mr. Keith E. Patton (3h52m)

Attorney for Plaintiffs

9 Mr. Stan Perry (0h0m)

Attorney for Defendants

10
11 That a copy of this certificate was served on all
12 parties shown herein on _____ and filed
13 with the Clerk.

14 I further certify that I am neither counsel for,
15 related to, nor employed by any of the parties in the
16 action in which this proceeding was taken, and further
17 that I am not financially or otherwise interested in the
18 outcome of this action.

19 Further certification requirements pursuant to
20 Rule 203 of the Texas Code of Civil Procedure will be
21 complied with after they have occurred.

22
23
24
25

Certified to by me on this _____ day of

_____, _____.

Kelly Hanna, CSR, RPR, CRR, CMRS

Texas CSR 1654

Expiration: 12/31/2009

Firm No.: 69

2501 Oak Lawn

Suite 600

Dallas, Texas 75219

888.656.DEPO

FURTHER CERTIFICATION UNDER TRCP RULE 203

The original deposition was/was not returned to the deposition officer on _____.

If returned, the attached Changes and Signature page(s) contain(s) any changes and the reasons therefor.

If returned, the original deposition was delivered to Mr. Keith E. Patton, Custodial Attorney.

\$_____ is the deposition officer's charges to the Plaintiffs for preparing the original deposition and any copies of exhibits;

The deposition was delivered in accordance with Rule 203.3, and a copy of this certificate, served on all parties shown herein, was filed with the Clerk.

Certified to by me on this _____ day of _____, _____.

Kelly Hanna, CSR, RPR, CRR, CMRS
Texas CSR 1654
Firm No.: 69
Expiration: 12/31/2009
2501 Oak Lawn
Suite 600
Dallas, Texas 75219
888.656.DEPO