

1 IN THE GENERAL COURT OF JUSTICE
SUPERIOR COURT DIVISION
2 STATE OF NORTH CAROLINA, COUNTY OF FORSYTH
3 Civil Action No. 07-CVS-3770

4 VIDEOTAPE DEPOSITION OF: DAVID W. PYATT, Ph.D.
5 September 23, 2009

6 ANDREW WOLFE and DENISE WOLFE,

7 Plaintiffs,

8 v.

9 E.I. DUPONT DE NEMOURS AND COMPANY, et al.,

10 Defendants.

11
12 PURSUANT TO NOTICE the videotape
13 deposition of DAVID W. PYATT, Ph.D., was taken on
behalf of the Defendants at the St. Julien Hotel,
14 900 Walnut Street, Boulder, Colorado 80302, on
September 23, 2009, at 9:37 a.m., before Teresa
15 Coogle, Registered Professional Reporter, Certified
Realtime Reporter, and Notary Public within Colorado.

A P P E A R A N C E S

For the Plaintiffs: TED N. GIANARIS, ESQ.
SimmonsCooper, LLC
100 N. Sepulveda Boulevard
Suite 1350
El Segundo, California 90245

For the Defendants: JAMES R. MILLER, ESQ.
PPG Industries, Inc. Dickie, McCamey & Chilcote, P.C
2115 Rexford Road, Suite 210
Charlotte, North Carolina 28211

For the Defendant: ANDREW C. SCHIRRMEISTER, ESQ.
E.I. DuPont Schirrmeister, Diaz-Arrastia,
De Nemours Brem, LLP
and Company 700 Milam, 10th Floor
Houston, Texas 77002

Also present: Stacey Manela
Jerry DeBoer, Videographer

I N D E X

EXAMINATION OF DAVID W. PYATT, Ph.D.: PAGE
September 23, 2009

By Mr. Schirrmeister 8, 142

By Mr. Gianaris 140, 143, 145

PLAINTIFFS' DEPOSITION EXHIBITS: INITIAL
REFERENCE
1 API Toxicological Review, Benzene, 18
September 1943

DEFENDANTS' DEPOSITION EXHIBITS:

1 David W. Pyatt, Curriculum Vitae 19

2 Slide, Summary of Opinions 19

3 Declaration, David W. Pyatt 21

4 Slide, DuPont and PPG paint solvents 21
have been extensively evaluated and studied

5 Slide, 11th Report on Carcinogens, 33
Table of Contents

6 Slide, Hematopoietic Cell 35
Differentiation

6A Slide, Hematopoietic Cell 38
Differentiation, Hematopoietic and
Stromal Cell Differentiation

7 Slide, Chromosomes and the 44
15;17 translocation

8B Slide, Hematopoiesis 49

9 Slide, Epidemiology, Douer, 2003, "The 58
epidemiology of acute promyelocytic
leukaemia"

10 "The epidemiology of acute promyelocytic 58
leukaemia," Douer, Best Practice &
Research Clinical Haematology,
Vol. 16, No. 3, pp. 357 - 367, 2003

1	11	Slide, Epidemiology, Avvisati, et al., 1991, "Epidemiology of acute promyelocytic leukemia in Italy"	58
2			
3	12	"Epidemiology of acute promyelocytic leukemia in Italy", G. Avvisati, et al. Annals of Oncology, pp. 405-408, 1991	58
4			
5	13	Slide, Scientific literature does not support link between benzene and APL, Mitelman, et al., 1981, "Chromosome pattern, occupation, and clinical features in patients with acute nonlymphocytic leukemia"	65
6			
7			
8			
9	14	"Chromosome Pattern, Occupation, and Clinical Features in Patients with Acute Nonlymphocytic Leukemia", F. Mitelman, et al., Cancer Genetics and Cytogenetics 4, 197-214, 1981	65
10			
11			
12	15	Slide, Scientific literature does not support link between benzene and APL, Glumb, et al., 1982, "Correlation of occupation and karyotype in adults with acute nonlymphocytic leukemia"	65
13			
14			
15	16	"Correlation of Occupation and Karyotype in Adults With Acute Nonlymphocytic Leukemia", by Harvey M. Golomb, et al.	65
16			
17	17	Slide, Scientific literature does not support link between benzene and APL, Crane, et al., 1989, "Environmental exposures in cytogenetically defined subsets of acute nonlymphocytic leukemia"	67
18			
19			
20	18	"Environmental Exposures in Cytogenetically Defined Subsets of Acute Nonlymphocytic Leukemia", Martin M. Crane, Ph.D., et al., JAMA, August 4, 1989, Vol 262. No. 5	67
21			
22			
23			
24			
25			

1	19	Slide, Scientific literature	68
2		does not support link between benzene	
3		and APL, Fagioli, et al., 1991,	
4		"Distinct cytogenic and clinicopathologic	
5	20	features in acute myeloid leukemia after	68
6		occupational exposure to pesticides and	
7		organic solvents"	
8	21	Slide, Scientific literature	72
9		does not support link between benzene	
10		and APL, 1992, "Countrywide Analysis	
11	22	of Risk Factors for Leukemia and Aplastic	72
12		Anemia"	
13		"Countrywide Analysis of Risk Factors	
14		for Leukemia and Aplastic Anemia",	
15		National Investigative Group for the	
16		Survey of Leukemia and Aplastic Anemia	
17	23	Slide, 1996 Estimated County	73
18		Median Exposure Concentration Benzene -	
19		North Carolina Counties	
20	24	Slide, Benzene content in	75
21		everyday foods	
22	25	Slide, Dose Response	76
23	26	Slide, Dose Response Chart	77
24	27	Slide, Doses of Common Substances	78
25	28	Slide, Dose response curve	80
		for aspirin	
	29	Slide, Dose response curve for alcohol	81
	30	Slide, Benzene exposure measurements	82
	31	Slide, Benzene and AML: Dose	87
		response Quantitative studies of benzene	
		and leukemia	

1	32	Slide, Mr. Wolfe's exposure	95
2	33	Slide, epidemiology	97
3	34	Slide, Cohort Studies	99
4	35	Slide, Case-Control Studies	109
5	36	Slide, Relative Risk	111
6	37	Slide, Evaluating the role of chance	112
7	38	Slide, Confidence Interval	114
8	39	Slide, 95% Confidence Interval	114
9	40	Slide, Studies show painters	117
10		do not have an elevated risk of AML	
		or leukemia	
11	41	Slide, NIOSH study of 57,000	119
		painters	
12			
	42	"Cohort mortality study of 57,000	119
13		painters and other union members: a 15	
		year update," Steenland and Palu	
14			
	43	Slide, Study of 49,000 painters,	123
15		Brown, et al., 2002, "Exposures in the	
		Painting Trades and Paint Manufacturing	
16		Industry and Risk of Cancer Among Men	
		and Women in Sweden"	
17			
	44	"Exposures in the Painting Trades and	123
18		Paint Manufacturing Industry and Risk	
		of Cancer Among Men and Women in Sweden",	
19		Linda Morris Brown, Dr.Ph., et al.	
20	45	Slide, Study of 58,000 painters,	124
		Bouchardy, et al., 2002, "Cancer risk	
21		by occupation and socioeconomic group among	
		man - a study by the Association of Swiss	
22		Cancer Registries"	
23	46	"Cancer risk by occupation and socioeconomic	124
		group among man - a study by the Association	
24		of Swiss Cancer Registries",	
		Christine Bouchardy, M.D., et al.	
25			

1	47	Letter to Salveson from Pyatt, 7/9/09,	126
2		Subject: Andre Wolfe and Denise Wolfe	
3		v. E.I. DuPont de Nemours and Co., et al.	
4	48	In the General Court of Justice, Superior	
5		Court Division, No. 07-CVS-3770	
6		Slide, Formaldehyde, Pinkerton,	129
7		et al., 2003, "Mortality among a cohort	
8		of garment workers exposed to formaldehyde:	
9		an update"	
10	49	"Mortality among a cohort of garment workers	129
11		exposed to formaldehyde: an update", L.E.	
12		Pinkerton, et al., Occup Environ Med	
13		2004; 61, 193-200	
14	50	Slide, Formaldehyde, Coggon, et al.,	130
15		2003, "Extended Follow-Up of a Cohort of	
16		British Chemical Workers Exposed to	
17		Formaldehyde"	
18	51	"Extended Follow-Up of a Cohort of	130
19		British Chemical Workers Exposed to	
20		Formaldehyde", David Coggon, et al.,	
21		Journal of the National Cancer Institute,	
22		Vol. 95, No. 21, November 5, 2009	
23	52	Slide, Formaldehyde, Beane Freeman,	132
24		et al., 2009, "Mortality From	
25		Lymphohematopoietic Malignancies Among	
		Workers in Formaldehyde Industries:	
		The National Cancer Institute Cohort"	
	53	"Mortality From Lymphohematopoietic	132
		Malignancies Among Workers in	
		Formaldehyde Industries: The National	
		Cancer Institute Cohort", Laura E. Beane,	
		et al., JNCI, Vol. 101, Issue 10,	
		May 20, 2009	

EXHIBIT DISPOSITION:

Original Exhibits: With transcript

1 THE VIDEOGRAPHER: We are on the record
2 at 9:37 on September 23, 2009, at 900 Walnut Street,
3 Boulder, Colorado. We are here for the videotape
4 deposition of David W. Pyatt, Ph.D., in the matter of
5 Andrew Wolfe and Denise Wolfe versus E.I. Du Pont de
6 Nemours and Company, et al., in the General Court of
7 Justice, Superior Court Division, State of North
8 Carolina, County of Forsythe, Case No. 07-CVS-3770.

9 The videographer is Jerry DeBoer. The
10 court reporter is the Teresa Coogle from Hunter +
11 Geist, Inc. Will counsel please state their
12 appearances beginning with the plaintiffs' counsel.

13 MR. GIANARIS: Ted Gianaris, Simmons
14 firm, by way of pro hoc vice.

15 MR. SCHIRRMEISTER: Andrew Schirrmeister
16 for DuPont.

17 MR. MILLER: James R. Miller for PPG
18 Industries.

19 DAVID W. PYATT, Ph.D.,
20 having been first duly sworn to state the whole truth,
21 testified as follows:

22 DIRECT EXAMINATION

23 BY MR. SCHIRRMEISTER:

24 Q. Would you introduce
25 yourself to the court and to the ladies and gentlemen

1 of the jury?

2 A. Sure. My name is David Pyatt.

3 Q. Okay. And, David, you're appearing here
4 by videotape rather than in person. Would you explain
5 to the court and the jury why you're appearing by
6 videotape.

7 A. I'm appearing by videotape because I will
8 not be in the country when this goes to trial in
9 October.

10 Q. Okay. What are you going to be doing?

11 A. I'm going to be -- I'm going to be on a
12 climbing trip in Nepal.

13 Q. And how is it that you came to be on a
14 climbing trip in Nepal during this period?

15 A. Well, my -- I've been trying to get to
16 Nepal and climb this peak for three or four years.
17 And finally, we got the permit. And I got the people
18 together that I felt comfortable enough doing this
19 climb with. And that all happened before this case
20 got started. So that's why that took priority over --
21 over what we're doing here.

22 Q. Okay. Where are you originally from?

23 A. I was born in Pensacola, Florida, but I
24 spent most of my childhood and early adulthood in
25 North Carolina.

1 Q. Okay. Tell us where you grew up, where
2 you went to high school, where you worked at -- up
3 through your high school years?

4 A. I -- most of the time, we spent -- I
5 lived in Raleigh, North Carolina, for a little while,
6 and then we moved to the mountains. So I grew up and
7 went to high school in a place called Marion, North
8 Carolina. It's about an hour and a half further west
9 from Winston Salem. That's where I went to school.
10 And then when I -- after high school, which was
11 McDowell High School, I moved back to Raleigh and went
12 to North Carolina State University for my
13 undergraduate. Both my parents still live in Western
14 North Carolina. My mom is a retired nurse and she
15 lives in Asheville, and my dad is a retired
16 construction developer, and he lives in -- still lives
17 in Marion.

18 Q. Okay. What year did you graduate from
19 high school?

20 A. 1976.

21 Q. Okay. And tell the jury a little about
22 yourself personally? Are you married? Do you have a
23 family?

24 A. I am married. I've been married for 22
25 years. And I have two daughters. One is a junior in

1 college, and she's here at Boulder at CU. And the
2 youngest one is a junior in high school, and she's,
3 obviously, still living at home with us.

4 Q. Okay. I'm going to put up --

5 A. Go ahead. I can move.

6 Q. -- the first slide. So tell us about
7 where you went to college and what you graduate --

8 A. I'm going to have to slide over. Is this
9 okay with every one? This way. Just so that's not --
10 okay. Everybody all right with that?

11 Q. Yes, sir.

12 A. Okay.

13 Q. So just tell us where you went to college
14 and what you studied there.

15 A. Thanks. My undergraduate at North
16 Carolina State University was biological sciences. I
17 think that was the name of the college. I also took a
18 lot of chemistry classes, so I probably could have
19 gotten a double major; but anyway, biological
20 sciences, agricultural sciences, I think was the name
21 of the school. And then I went back and got an
22 additional B.S. degree in science education, because I
23 wanted to -- I wanted to teach. And then my graduate
24 degree was at the University of Colorado. And that
25 was in toxicology.

1 Q. Okay. Explain to the jury what
2 toxicology is, David.

3 A. Well, the -- the word means the study of
4 poisons. So you are looking at why things are toxic,
5 amounts that it takes to be toxic, potential remedies
6 for that toxicity. So that's what toxicologists do.
7 We study chemicals and other things that have the
8 potential to be toxic and try to understand why that
9 happens.

10 Q. Okay. And presently, do you have faculty
11 appointments and teach students at the University of
12 Colorado?

13 A. I do.

14 Q. Okay. And which -- which faculty
15 appointments do you have?

16 A. I'm still with the same group that I got
17 my Ph.D. with, and that's the University of Colorado,
18 School Of Pharmacy, where I teach a toxicology course,
19 I teach a risk assessment course. I have an
20 appointment with the newly formed school of public
21 health where I also help them teach their toxicology
22 course. And I'm developing a risk assessment course
23 for them.

24 I teach a toxicology course for the
25 University of Colorado, the Denver campus, and also

1 teach an environmental epidemiology class for those.
2 So I guess technically I have three different
3 appointments, but it's all part of the University of
4 Colorado.

5 Q. Okay. Now, you have a Ph.D., correct?

6 A. I do.

7 Q. And so it would be appropriate to address
8 you as Dr. Pyatt?

9 A. I -- I think in a formal setting, yes.

10 Q. But I'm -- if it's all right with you,
11 I'll just call you David.

12 A. That's perfectly fine.

13 Q. Okay. Are you currently or since your --
14 receiving your Ph.D., what type of work have you done
15 and what research have you conducted?

16 A. When I first graduated with my Ph.D., I
17 did what is typically called a post-doctoral
18 fellowship. So this is when you -- you work with
19 someone. They are the ones that got the grant, so
20 they are paying you a salary out of their grants. And
21 you're doing research that they have an interest in in
22 their lab. And I did that with Dr. Richard Irons, and
23 we were looking at benzene metabolites and the
24 toxicity associated with the benzene metabolites in
25 bone marrow cells in the immune system. And then

1 typically the way an academic profession goes is you
2 start -- as you develop scientifically, you start
3 writing your own grants and submitting your own
4 grants. They were getting funded. My grants were
5 getting funded.

6 So at that point, I kind of separated,
7 and I was doing my own basic research in the lab. I
8 had lab space, I had technicians that I was directing.
9 And then my appointment at that -- was assistant
10 research professor. And I did that until about 2003.

11 Q. Okay. Now, if you would go to the second
12 page of the slides. The first bullet point says over
13 80 abstracts, peer-reviewed publications and book
14 chapters primarily on bone marrow and immunological
15 toxicity and/or benzene.

16 What are abstracts peer reviewed
17 publications, David? In other words, what -- what are
18 the nature of your publications?

19 A. The -- I've combined them together for
20 this point. But sciences gets out into the scientific
21 community several different ways. And you can write
22 book chapters. I've written one book chapter
23 specifically on benzene. Peer-reviewed publications,
24 those are primarily literature where you're describing
25 your research. You submit it to a journal. They --

1 they send it out for external reviewers. And then
2 they publish it in the literature. Abstracts were
3 typically smaller pieces of your science that you have
4 submitted to various scientific organizations, and you
5 usually present those at -- at national or
6 international meetings.

7 Q. Okay.

8 A. Not all of those -- not -- not every
9 abstract is peer-reviewed, but the -- the meetings
10 that I attend, they do have a peer review process for
11 their abstracts.

12 Q. Okay. And, David, if you could just
13 scoot a little bit over. The light is --

14 A. Yeah, sorry.

15 Q. -- is on the side of your head there.

16 THE VIDEOGRAPHER: Counsel, may we
17 briefly go off the record.

18 MR. SCHIRRMEISTER: Yes.

19 THE VIDEOGRAPHER: Going off the record.
20 The time is 9:46.

21 (Recess taken, 9:46 a.m. to 9:47 a.m.)

22 THE VIDEOGRAPHER: We are back on the
23 record. The time is 9:47.

24 Q. (BY MR. SCHIRRMEISTER) Okay. David, the
25 second bullet point describes some of your clients and

1 the government agencies you work for. Briefly
2 describe those for the jury.

3 A. Since about 2003 when I officially left
4 the university in a -- a research capacity and I
5 worked for a couple of consulting companies, and then
6 in 2000 -- the end of 2004, I started my own, which is
7 called Summit Toxicology. And within those
8 organizations, I've done a variety of projects for
9 lots of different kinds of clients. Those include
10 private sector, various industries, various law firms,
11 but also a wide variety of regulatory agencies that --
12 some of which are listed here. I've done work for the
13 USEPA. I worked for USEPA as a full-time employee for
14 a while. Department of Health and Services, the CDC.
15 I've done work for -- or we've done work for Health
16 Canada, various state organizations: California,
17 Texas, Colorado. So there -- it's been a long --
18 pretty long list of clients that we've had through the
19 years.

20 Q. Okay. What type of work have you done
21 for the centers for disease control?

22 A. Mostly just reviewed scientific
23 literature.

24 Q. Okay. How about for the Environmental
25 Protection Agency?

1 A. The Environmental Protection Agency, what
2 I did for them -- well, I used to work for them. And
3 then we were evaluating a specific hazardous waste
4 site here in the Denver region called the Rocky
5 Mountain Arsenal. So I was kind of an amateur
6 toxicologist, because I was working with -- this is
7 before I got my Ph.D., and I was working with a
8 toxicologist on that site. I really liked him, really
9 respected him. And, in fact, that was part of the
10 motivation for me taking the vow of poverty, if you
11 will, and going back to graduate school and getting my
12 own Ph.D. in toxicology.

13 Q. Okay. Now, the-second-to-the-last bullet
14 point is, "Organizer presenter, Benzene 2009, Health
15 Effects and Mechanism of Bone Marrow Toxicity. What
16 is that, David?

17 A. About every four or five years, all of
18 the researchers across the country, really, across the
19 -- the globe are -- who have an active research
20 program or an active interest in benzene, they get
21 together and put these symposiums together where
22 people can discuss and -- and present all of the new
23 things that have come out in the world of benzene
24 toxicology. And the first one I attended was in 2004,
25 and it was in Munich, Germany.

1 This one, 2009, I was on the planning
2 committee, so I designed a web site for the meeting
3 and was part of the review process for some of the
4 abstracts for the posters. But anyway, it was a big
5 meeting that was held two weeks ago in Munich,
6 Germany. It was about four days' worth of
7 presentation; so, you know, anyone really that had
8 anything to say about benzene research and toxicology,
9 most everyone was there.

10 Q. Okay. David, I'm going to hand you what
11 has been marked as Defendants' Deposition Exhibit
12 No. 1. Would you identify that, please.

13 A. This is my CV or resume.

14 Q. Okay. Is that a true-and-correct copy of
15 the most recent CV that you have?

16 A. Yes. This looks to be very recent.

17 Q. Okay.

18 MR. SCHIRRMEISTER: I'll offer
19 Defendants' Exhibit No. 1.

20 THE DEPONENT: What -- what do I do with
21 it?

22 MR. SCHIRRMEISTER: Just put it down
23 there. That's fine. Thank you.

24 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
25 going to also hand you what has been marked as

1 Defendants' No. 2, which is slide page No. 4. And
2 would you identify that first for the jury, please.

3 A. What you've handed me is what's on the
4 projector there, the slide. This is a list of -- kind
5 of a summary of my opinions in this case.

6 Q. Okay. Did you prepare that?

7 A. Yes.

8 Q. Okay. And that has been marked as
9 Defendants' Exhibit 2?

10 A. Okay.

11 Q. Would you just confirm that, that's --

12 A. Oh, yes.

13 Q. Okay.

14 A. Yes.

15 MR. SCHIRRMEISTER: Okay. I'll offer
16 Exhibit 2.

17 MR. GIANARIS: Over my objection.

18 Q. (BY MR. SCHIRRMEISTER) Okay. Now,
19 David, from this point forward, when I ask you to
20 express your opinions, would you agree to do that for
21 me that the standard is based upon reasonable and
22 medical scientific probability?

23 A. Sure.

24 Q. And if there's any -- any opinion that
25 you have on any other standard other than reasonable

1 medical and scientific probability, please tell us
2 that.

3 A. Okay.

4 Q. Otherwise, we will assume that all your
5 opinions are based upon reasonable and medical and
6 scientific probability.

7 A. Sure.

8 Q. Are you agreeable to that?

9 A. Yes.

10 Q. Okay. Now, what is the first opinion?

11 A. That the paint solvents in Dupont or PPG,
12 or really any paint product in these solvents are not
13 established carcinogenic agents. They don't cause
14 cancer generally or leukemia specifically.

15 MR. GIANARIS: Object to form.

16 Q. (BY MR. SCHIRRMEISTER) Okay. I'm going
17 to offer -- offer you -- offer as well Defendants'
18 No. 3. Would you identify that, please.

19 A. This is my report that I wrote and
20 submitted in this case.

21 Q. Okay. And is that a true-and-correct
22 copy of the report that you prepared and submitted in
23 this case?

24 A. It doesn't appear to have been changed,
25 so, yes.

1 Q. Okay.

2 A. I would say it is.

3 Q. Okay. And along with the supplemental
4 report, which we will tender later, is a summary of
5 your opinions that's been marked as Exhibit No. 2 a
6 summary of the report that's been marked as
7 Exhibit No. 3?

8 A. Yes. Yeah, it may not be exactly in this
9 order; but, sure, all of these things are definitely
10 covered in my report, this declaration.

11 Q. Okay. And when you say all of these
12 things, are you referring to the summary of
13 opinions --

14 A. Sorry.

15 Q. -- that's been marked as Exhibit 2?

16 A. Yeah, sorry. Yes.

17 Q. Okay. Okay. Now, let's -- okay. Would
18 you read that to the jury, please.

19 A. The first opinion was "Dupont and PPG
20 paint solvents do not cause cancer or leukemia."

21 Q. Okay. Now, let's look at the next slide,
22 please. And I'm going to hand you what has been
23 marked as Defendants' Exhibit 4. Okay. David, what
24 solvents are in Dupont and PPG paints?

25 MR. GIANARIS: Object to the form.

1 A. The predominant ones which you're going
2 to find are going to be toluene, which I think is in
3 the highest concentrations. Xylene. It depends on
4 the product so that the -- the composition can change
5 somewhat. And then a variety of things that would
6 fall under the category of petroleum distillates. So
7 that would be mineral spirits, Stoddard solvent,
8 various naphthas, things of that nature, which, from a
9 toxicological point of view, are all very -- are all
10 very similar. And typically in these regulatory
11 groups, they -- they -- they combine all of those
12 together.

13 Q. Okay. And what do you understand the
14 plaintiffs' claims to be in this lawsuit?

15 A. My understanding is that the -- the trace
16 levels of naturally occurring benzene that are likely
17 found in these various solvents were of sufficient
18 magnitude to cause Mr. Wolfe's acute promyelocytic
19 leukemia.

20 Q. Okay. You understand Mr. Wolfe never
21 worked with benzene, correct?

22 A. I've never seen any evidence --

23 MR. GIANARIS: Object to form.

24 A. -- that that's true, yes.

25 Q. (BY MR. SCHIRRMEISTER) Okay. Now, on

1 the bottom of the slide, there are a number of
2 different agencies. And then there are those color
3 circles above in the graph. What -- are you familiar
4 with organizations -- or are there organizations that
5 study and evaluate chemicals as to whether they are
6 capable of causing cancer or leukemia?

7 A. Yes.

8 Q. Okay. The first one on there is the
9 IARC, I-A-R-C. What is IARC?

10 A. IARC is -- the -- the acronym stands for
11 the International Agency for Research on Cancer. And
12 it is an international grouping of preeminent
13 scientists, toxicologists, physicians, epidemiologists
14 that look at -- collectively look at the sum total of
15 the published literature.

16 So for them, they have a bright line that
17 it has to be published before it will be evaluated
18 under the IARC kind of in their panel. But then they
19 evaluate various chemicals, drugs, viruses, anything
20 that is a potential cause of human cancer.

21 Q. Okay. David, the next one is N-I-O-S-H,
22 NIOSH. What is NIOSH?

23 A. NIOSH stands for the National Institute
24 of Occupational Safety and Health. And that's the
25 research arm of OSHA. So they conduct research on

1 behalf of OSHA in support of OSHA's regulations that
2 -- that they have.

3 Q. Okay. ACGIH, what is that, David?

4 A. That's the American Conference of
5 Governmental Industrial Hygienists. And this is a --
6 I think it's actually voluntary, but this is a large
7 group of industrial hygienists that, from all
8 different occupations across the country, some
9 academic, some in -- actually out in the workplace,
10 but they get together and discuss what they call a
11 TLV, which is a threshold limit value. And it's
12 essentially what they consider to be a safe working
13 level of these various chemicals.

14 Q. Okay. Next one is NTP. What is that?

15 A. That's the National Cancer -- sorry. NTP
16 stands for the National Toxicology Program, so that's
17 a -- a branch of the federal government that also has
18 a lot of collaborators within university and academic
19 institutions. And they are the ones -- and it's
20 usually dictated by the Environmental Protection
21 Agency, but they are the ones that conduct or oversee
22 or interpret the data that comes out of long-term
23 animal studies.

24 So if anyone wants to know, does my
25 chemical cause cancer in one or more experimental

1 animals, then they submit that to the National
2 Toxicology Program, they rank them based on their
3 priorities, and then they actually go out and conduct
4 the study, and then report back whether there was
5 evidence of carcinogenicity in that -- in those
6 species.

7 Q. Okay. EPA?

8 A. That's the Environmental Protection
9 Agency.

10 Q. Okay. And what do they -- what is their
11 role with regard to determining whether toluene,
12 xylene, or petroleum distillates are capable of
13 causing cancer or APL?

14 A. Well, EPA is a -- their -- their role is
15 to protect the environment and people that are exposed
16 to chemicals from the environment, as well as animals
17 and ecosystems. But they, for various chemicals --
18 excuse me -- publish -- put out what's called an IRIS
19 database. And within that, they classify chemicals
20 according to their toxigenicity.

21 Q. Okay. And, finally, the State of North
22 Carolina. How does the State of North Carolina
23 regulate or interpret the potential carcinogenicity of
24 toluene, xylene, or petroleum distillates?

25 MR. GIANARIS: Object to the form.

1 A. My understanding is -- well, lots of
2 states have environmental departments. My
3 understanding of the State of North Carolina is they
4 rely on these national organizations like OSHA, NIOSH.
5 They -- they -- they base their decisions on what
6 these other groups -- I don't think they do any
7 independent evaluation.

8 Q. (BY MR. SCHIRRMEISTER) Okay. Now,
9 let's --

10 A. And we skipped one, the AT -- ATSDR.

11 Q. Oh, I'm sorry. What is the ATSDR?

12 A. Just because there's a dot on there.
13 That's the Agency for Toxic Substances and Disease
14 Registry. So it is a specific branch of the CDC. The
15 CDC is the Center for Disease Control. And they are
16 tasked with and their -- their sole job is to go out
17 and look at environmental exposures typically from
18 hazardous waste sites, from Superfund sites, and
19 conduct studies to see if the people living in
20 proximity to these hazardous waste sites -- if there's
21 any evidence of toxicity associated with those
22 exposures.

23 Q. Okay. Now, I'm going to ask you
24 questions about the classification by these agencies
25 for these three chemicals. Okay?

1 Now, has the IARC ever classified toluene
2 with naturally occurring trace benzene as a che -- as
3 a chemical that causes cancer?

4 A. Say that again. I'm sorry.

5 Q. Yes. Has IARC ever classified toluene
6 with naturally occurring trace benzene as a chemical
7 that causes cancer?

8 A. No.

9 Q. Has IARC ever classified toluene with
10 naturally occurring trace benzene as a chemical that
11 causes APL?

12 A. No.

13 Q. Okay. Same question with regard to
14 toluene and NIOSH. Has NIOSH ever classified toluene
15 with naturally occurring trace benzene as a chemical
16 that causes cancer or APL?

17 A. No. NIOSH puts out a report where they
18 make a -- a comprehensive listing of all of the
19 chemicals that they believe there is some evidence of
20 carcinogenicity. And toluene is not included on that
21 list.

22 Q. Okay. The ACGIH, David, has the ACGIH
23 ever classified toluene with naturally occurring trace
24 benzene as a chemical of causing cancer or APL?

25 A. No. The ACGIH publish what I consider to

1 be really helpful, relatively short summaries of the
2 toxicity have these various chemicals. And it's their
3 documentation or their support for what they think the
4 TLV would be, the threshold limit value. And within
5 that, for toluene, they specifically say that there is
6 not enough evidence to support that this is a human
7 carcinogen.

8 Q. Okay. The NTP, the National Toxicology
9 Program. Has the NTP ever classified toluene with
10 naturally occurring trace benzene as a chemical that
11 causes cancer or APL?

12 A. Again, like NIOSH, the NTP puts out these
13 reports periodically. And the most recent was the
14 eleventh report on carcinogenicity is what they call
15 it. And they list very comprehensively any chemical
16 for which there is evidence in their animal studies
17 that there was evidence of carcinogenicity. And
18 toluene is not listed on that.

19 Q. Okay. The next one is the ATSDR of the
20 Centers for Disease Control. Has that agency ever
21 classified toluene with naturally occurring trace
22 benzene as a chemical that can cause cancer or APL?

23 A. It has not. The ATSDR publishes these
24 exhaustive -- basically they are books that are called
25 toxicological profiles on these various chemicals.

1 And within those toxicological profiles, they have all
2 of the animal data, all of the epidemiology, all of
3 the metabolism, all of the toxicity data associated
4 with that chemical that they could find in the
5 literature. And, no, the ATSDR does not believe that
6 toluene is a carcinogen.

7 Q. Okay. The EPA, David. Does the
8 Environmental Protection Agency -- has it ever
9 classified toluene with naturally occurring trace
10 benzene as either a chemical that causes cancer or
11 APL?

12 MR. GIANARIS: Object to the form,
13 foundation.

14 A. The USEPA publishes what's called the
15 IRIS, Integrate Risk Information System. And in IRIS,
16 it is a more condensed form than the AT -- ATSDR tox
17 profiles, but it still goes through a relatively
18 comprehensive review of the literature. And they have
19 a various gradation of whether they think it's a known
20 human carcinogen or whether it might be a human
21 carcinogen. And toluene is neither of those in their
22 opinion.

23 Q. (BY MR. SCHIRRMESTER) Okay. And then,
24 finally, the State of North Carolina. How does the
25 State of North Carolina classify these -- classify

1 toluene as to whether it's capable of causing either
2 cancer or APL?

3 MR. GIANARIS: Object to the form,
4 foundation.

5 A. My understanding is that they are going
6 to base their classification system on these other
7 organizations. So there is nothing any different that
8 they would say that hasn't been already said in these
9 other groups by these other groups.

10 Q. (BY MR. SCHIRRMEISTER) Okay. Now, let
11 me ask you about xylene next, David. Has the IARC,
12 NIOSH, ACGIH, the NTP, the ATSDR, or the EPA ever
13 classified xylene with naturally occurring trace
14 benzene as a chemical capable of causing cancer?

15 MR. GIANARIS: Hold for one second before
16 he answers. Did you put -- was North Carolina in that
17 question? I didn't catch it.

18 MR. SCHIRRMEISTER: No.

19 MR. GIANARIS: So I don't interrupt you,
20 may I just have a continuing objection to foundation
21 as to questions regarding North Carolina?

22 MR. SCHIRRMEISTER: Yes, sir.

23 MR. GIANARIS: And you all can proceed.
24 Thank you very much.

25 MR. SCHIRRMEISTER: Repeat the question?

1 A. Are we on? Am I going? I heard the
2 question.

3 Q. (BY MR. SCHIRRMEISTER) Okay.

4 A. The answer is, no. I mean, xylene is not
5 a thing. It's a -- it's -- actually, there's three
6 different kinds of xylene, but none of them have been
7 classified by any regulatory agency that I am aware
8 of, including the ones listed here, as a human -- as a
9 human carcinogen.

10 Q. Has the IARC, the International Agency
11 for the Research of Cancer, the NIOSH, National
12 Institute Occupational Safety and Health, the ACGIH,
13 the American Conference of Governmental and Industrial
14 Hygienists, the NTP, the National Toxicology Program,
15 the ATSDR, the Agency For Toxic Substances and Disease
16 Research, or the EPA, the Environmental Protection
17 Agency -- has any of those agencies ever classified
18 xylene with naturally occurring trace benzene as a
19 chemical capable of causing APL?

20 A. No.

21 Q. Okay. Finally, to the petroleum
22 distillates, same two questions, David. Has IARC,
23 NIOSH, ASGIH, NTP, ATSDR, or the EPA ever classified
24 petroleum distillates with naturally occurring trace
25 benzene as capable of causing cancer?

1 A. Not that I've ever seen. And they --
2 there are -- depending on which group you're looking
3 at, IARC or ASGIH or the ATSDR, they -- some of them
4 have data on naphthas, some of them have data on
5 mineral spirits, some of them have data on Stoddard
6 solvents; but all of those things are chemically
7 similar enough to where, from a toxicological point of
8 view, it is fair to put them all into this group
9 called petroleum distillates, which is what we did.
10 And the answer is, no, none of those groups consider
11 any of those various things that we would call a
12 petroleum distillate as a -- a human carcinogen.

13 Q. Okay. And then, finally, do any of those
14 agencies: The IARC, NIOSH, ACGIH, NTP, ATSDR, or EPA
15 ever classify this group of chemicals under the name
16 petroleum distillates as ones capable with naturally
17 trace benzene as ones capable of causing APL?

18 A. No.

19 Q. Okay. Now, with regard to the
20 classification by toluene -- of toluene, xylene, and
21 petroleum distillates by the State of North Carolina,
22 how do you understand the nate of -- State of North
23 Carolina classifies and regulates these three
24 chemicals?

25 A. My understanding is that -- and I've

1 never done any work for the State of North Carolina,
2 so I'm not exactly sure what their inner workings are,
3 but that they rely on OSHA and IARC. And these are
4 their international and national agencies, and they
5 base their decisions on what these other national
6 groups say.

7 Q. Okay.

8 MR. GIANARIS: Same objection.

9 Q. (BY MR. SCHIRRMEISTER) David, does
10 Exhibit No. 4 -- does this accurately portray the
11 regulatory classifications of toluene, xylene, and
12 petroleum distillates with naturally occurring trace
13 benzene with regard to their classification as capable
14 of causing cancer or APL?

15 A. Certainly my interpretation of -- of
16 their various regulatory documents, yes.

17 MR. SCHIRRMEISTER: Okay. I'll offer
18 Defendants' Exhibit 4.

19 MR. GIANARIS: Over my objection.

20 MR. SCHIRRMEISTER: We'll leave that
21 there. Thank you, sir.

22 Q. (BY MR. SCHIRRMEISTER) David, would you
23 turn -- I'm going to now hand you what has been marked
24 as Exhibit 5.

25 A. Okay.

1 Q. And it is three pages long. And would
2 you identify Exhibit 5 for us, please.

3 A. Yeah, this is what I was talking about
4 with the NTP. They periodically publish these reports
5 on carcinogens. And they are pretty -- pretty
6 conservative with the listings. And by that I mean,
7 if there's any evidence in their animal studies that
8 there is an increased risk of tumor genesis in any of
9 these species or that there's evidence of
10 carcinogenicity in these species, then it makes it
11 onto this list.

12 Q. Okay. So -- and is this the most recent
13 list issued by the -- the National Toxicology Program?

14 A. The eleventh report is the most recent
15 one that I've seen. When this one comes out, then
16 they are automatically working on the next one. So
17 internally, there's probably a twelfth; but this is
18 the only one that I think is publically available.

19 Q. Okay. And anywhere on this eleventh
20 report, that lists all of these different chemicals
21 that cause cancer, do toluene, xylene, or petroleum
22 distillates appear?

23 A. No.

24 MR. SCHIRMEISTER: I offer Defendants'
25 Exhibit 5. Would you like to take a break? Let's

1 take a five-minute break.

2 THE VIDEOGRAPHER: Going off the record.

3 The time is 10:09.

4 (Recess taken, 10:09 a.m. to 10:19 a.m.)

5 THE VIDEOGRAPHER: We are back on the
6 record. The time is 10:19.

7 Q. (BY MR. SCHIRRMESTER) David, would you
8 read the slide, which is page 11, please.

9 A. Oh. The APL, which stands for Acute
10 Promyelocytic Leukemia, is a distinct clinical disease
11 and is different than other subtypes of AML, which is
12 acute myeloid or myelogenous leukemia.

13 Q. Okay. David, I'm going to hand you what
14 has been marked as Exhibit 6.

15 A. Okay.

16 Q. And this is called "Why APL is a unique
17 clinical disease," correct?

18 A. Yes.

19 Q. And just take us -- and I'm going to go
20 back in detail, but -- what is No. 1, morphology?
21 What does that mean?

22 A. Morphology is the shape of the cell. So
23 that would be a way to describe how the cells would
24 look microscopically. So if you took them out, looked
25 at them under a microscope, a hematologist might do

1 that, they -- they have a very distinctive morphologic
2 presentation. You would be able to recognize them.

3 Q. And are you talking about an APL cell?

4 A. Correct, APL.

5 Q. Okay.

6 A. Well, they all do. All of the different
7 subtypes of AML have unique -- well, not all of the
8 them, but what -- morphologic presentations, but APL
9 is quite different than the other ones.

10 Q. And are -- is morphological a fancy way
11 of saying it looks different under the microscope than
12 other cells?

13 A. That's exactly what that means.

14 Q. Cell of origin, what does that do?

15 A. Cell of origin is we're -- we believe
16 that the malignant transformation or the thing that
17 causes the cell to become leukemia where that happens
18 within the hematopoietic progression, which I think we
19 have a slide or two to discuss.

20 Q. Okay. Psychogenetics, what is that data?

21 A. Sorry. Cytogenetics, that is a branch of
22 medicine, science where you're looking at the shape of
23 the chromosomes. So basically how many chromosomes
24 are there, whether some are missing, some or broken,
25 whether they are combining with each inappropriately.

1 So that's just a way to describe what the chromosomes
2 look like. And that is critically important in the
3 diagnosis and the prognosis for -- for all forms of
4 leukemia.

5 Q. Okay. Treatment?

6 A. The treatment for A -- APL is
7 categorically different than the treatment for -- for
8 any other -- any other type of leukemia that I'm aware
9 of.

10 Q. Okay. And lastly, epidemiology, what is
11 that?

12 A. Epidemiology is the science where you're
13 looking at what causes diseases in the human
14 population. And because APL is unique enough, there
15 have been epidemiological studies looking at it as --
16 not as a subtype of AML, but as its own unique entity.

17 Q. Okay. And are these five factors ones
18 that you've identified to explain why APL is a unique
19 disease?

20 MR. GIANARIS: Object to the form.
21 Leading.

22 Q. (BY MR. SCHIRRMEISTER) Okay. What are
23 these five factors? Why have you chosen these five
24 factors?

25 A. These -- these categories are ways in

1 which there is clear -- there are clear differences
2 between APL and the other forms of acute myelogenous
3 leukemia.

4 Q. Okay.

5 A. So it is different -- distinctly
6 different in each one of these categories.

7 Q. Okay.

8 MR. SCHIRRMEISTER: I'll offer
9 Defendants' Exhibit 6.

10 MR. GIANARIS: Over my objection.

11 Q. (BY MR. SCHIRRMEISTER) Okay. David, now
12 I'm going to put up what I will hand you and mark --
13 it's previously been marked as Exhibit 6A. And why
14 don't you stand up, if you would, so you can explain
15 to the jury --

16 A. Okay. I'll try not to trip.

17 Q. -- what this is.

18 A. This is going to work? Yeah. Okay.

19 This is a slide that I actually made for a talk that I
20 gave at SOT. And at that -- the Society of
21 Toxicology. And what I was doing in that presentation
22 was describing the role that the bone marrow
23 microenvironment plays in various hemopoietic
24 diseases. Why I have it in this presentation or today
25 is it just gives a very simplistic view of the process

1 of hematopoiesis.

2 Q. Okay. Now -- okay. Okay. I'm going to
3 interrupt you, David.

4 A. Okay.

5 Q. Have you spent -- how much of your career
6 have you spent on this issue?

7 A. On hematopoiesis?

8 Q. Yes, sir.

9 A. That's -- that's really all that I've
10 done from a research point of view as well as a
11 consultant. It's either been hematopoietic
12 development or toxicity in the bone marrow that
13 impacts on hematopoietic development. That's --
14 that's what I do.

15 Q. Okay. Now, let's start with the
16 left-hand side where it says, "bone." What are we
17 looking at there and what is happening? What happens
18 in your bone marrow?

19 A. Yeah, this is just a depiction of a bone.
20 And this we don't really need to talk about. It's
21 just kind of a blow-up of this region right on the
22 inside of the -- the -- the trabecular bone. But it's
23 demonstrating -- these cells here: Killer cells,
24 lymphocytes, basophils, platelets, red blood cells,
25 all of these things, these are cells that you would

1 recognize in the peripheral circulation.

2 So if I took blood out of anyone here and
3 looked at it under a microscope, I would see these
4 various cell types. So everyone knows what they are
5 probably and what they do. But what is beautiful
6 about the hematopoietic system is that all of these
7 various cell types all are thought to be derived from
8 an ancestral cell that resides in the bone marrow.

9 Q. Okay. Now, as a beginning definition,
10 hematopoietic, what is that -- what's that a big word
11 for?

12 A. Hematopoietic is blood. It's -- so
13 hematopoietic pieces is blood formation. So that's
14 what's going on in the bone marrow that is producing
15 the billions of red blood cells that we have and all
16 of the other cell types that are -- that are found in
17 the peripheral circulation.

18 Q. Okay. Now, you mentioned stem cell.
19 What is the stem cell?

20 A. Well, this is a word that's given to --
21 you know, I mean, you'll get hematologists together,
22 and they will argue passionately about the definition
23 of a stem cell. It's pretty irrelevant. But what the
24 stem cell is is a cell that retains the ability to
25 turn into all of these different things.

1 So a cell at this level, it can turn into
2 lymphocytes, it can turn into a red blood cell. But
3 as it progresses through its maturation, eventually,
4 it starts becoming what we consider to be lineage
5 committed. And that point, it is only going to turn
6 into one cell type.

7 Q. Okay.

8 A. And once it lineage commits and says,
9 okay, I'm going to be a red blood cell, then it won't
10 have the ability -- it will not have the ability to
11 turn into these other cell types.

12 Q. Okay. Now, how -- generally, how many
13 different blood cell types are there for our purposes?

14 A. I -- that's a hard question to answer.

15 Q. Okay. Well --

16 A. Six, seven.

17 Q. Well, I've heard of red blood cells.
18 What is the function of a red blood cell?

19 A. The -- they -- have hemoglobin in them,
20 so their primary function is to carry oxygen.

21 Q. Okay.

22 A. Or to carry carbon dioxide away from the
23 tissues.

24 Q. Okay. White blood cells?

25 A. White blood cells, there's a lot of

1 different kinds of white blood cells, which is why I
2 paused on your question. There probably are five or
3 six different things that a hematologist would be
4 interested in knowing if they were looking at your
5 blood, all of which would be considered a white blood
6 cell.

7 In general, the white blood cells, all of
8 them collectively, their primary role is to fight
9 infection.

10 Q. Okay. And then how about a platelet?
11 What is the function of a platelet?

12 A. A platelet comes from a megakaryocyte,
13 which is this thing, and its primary role is in blood
14 clotting or wound healing.

15 Q. And how does a stem cell become all of
16 these different types of blood cells? Just walk us
17 through that process, David.

18 A. I don't -- that's a really hard question.

19 Q. Okay.

20 A. I mean, basically, you've got a very
21 elaborate system of growth factors and feedback loops.
22 And it's a very complicated process; but, essentially,
23 this cell becomes more and more committed, more and
24 more mature, more and more differentiated as it's
25 moving through this pathway.

1 Q. Okay.

2 A. And it's also proliferating, so you're
3 getting more and more of them. But that's not -- I
4 can't answer that question in two minutes.

5 Q. Okay. How about -- well -- how about
6 this, the different types of cell lines. How many
7 different types of cell lines are there in the blood?
8 And if you would show where those are on Exhibit 6.

9 A. You know, from a hematopoietic -- I mean,
10 all of these are different cell lines. But typically,
11 hematologists will consider three -- there are
12 platelets, red blood cells, and white blood cells; so
13 those are considered to be the three main cell lines.

14 Q. Okay. And I've heard of the term
15 lymphoid lines and myelocytic or myeloid lines.

16 A. Right. Well, that's a whole different
17 classification system. It doesn't fit in with what I
18 just said. So you don't really consider those cell
19 lineages based -- it depends on how you're defining
20 those terms. But you do have lymphoid cells -- and
21 this isn't a very good picture to depict that, but you
22 do have lymphoid cells and you have myeloid cells, and
23 that is a pretty major break in the differentiation of
24 these cells.

25 Q. Okay. And where -- where is the cell

1 here that is the APL cell?

2 A. You --

3 Q. Or should we look at it in a different
4 slide?

5 A. You can't see it on this slide.

6 Q. Okay.

7 MR. SCHIRRMEISTER: I want to -- I'd like
8 to offer Exhibit 6A.

9 MR. GIANARIS: Over my objection.

10 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
11 going to hand you what has been marked as Exhibit 7,
12 please.

13 A. Okay. Um-hum.

14 Q. Now, would you explain -- first of all,
15 explain what chromosomes are.

16 A. Chromosomes are the structures within the
17 nucleus of a cell that -- that are within a cell that
18 -- that contain all of the genetic information.

19 So the genetic information, hair color
20 and weight and height, and all of the other things
21 that make a person a person, all of that is contained
22 in the DNA. And that DNA is really long, and so you
23 can't just have these really long strands -- you know,
24 hundreds of yards, this DNA is, and you can't have
25 these strands of DNA just flopping around. So they

1 are condensed. They are wrapped up around certain
2 structures into very nice, neat, little tight
3 packages. And those little, neat, tight packages, you
4 can see under a microscope, they look like this, and
5 we call those chromosomes. But really all that is is
6 a tight collection of DNA that can be unwound when
7 you're expressing certain proteins. So those are your
8 chromosomes.

9 Q. Okay. And how many chromosomes do -- do
10 we all have in each cell?

11 A. 46.

12 Q. Okay. And do the pairs of chromosomes
13 have different functions in the body?

14 A. Each chromosome has different roles,
15 correct.

16 Q. Okay. Now, give us an example that the
17 jury may be familiar with of a chromosomal problem
18 that leads to a disease or leads to a condition.

19 A. Really, for as long as we've been able to
20 identify chromosomes -- and that hasn't been that long
21 ago. I mean, probably in the '50s was the first time
22 that we were able to clearly identify -- reproducibly
23 identify chromosomes. We've known that if you see
24 alterations in the karyotype or you see alterations in
25 the number of chromosomes that it can have important

1 pathologic manifestations or diseases that can arise
2 from that.

3 Probably the most common, I think the one
4 that most everyone has heard of is a disease called
5 Down's Syndrome. And Down's Syndrome means you have
6 an extra copy of chromosome 21. So you have three
7 copies of chromosome 21.

8 Q. Okay.

9 A. Now, we don't know exactly how that extra
10 copy manifests itself in Down's Syndrome, but it's
11 clear that that is the basis for that -- that
12 syndrome.

13 Q. Okay. Now, you have here chromosomes in
14 the 15;17 translocation. How is the -- how does a
15 chromosomal condition in APL, the disease that
16 Mr. Wolfe had -- how do they relate to one another?

17 A. The -- the cytogenetics, however, are
18 extremely important in defining any type of leukemia.
19 And, in fact, of the New World Health Organization in
20 2008 for diagnosing and identifying leukemias, they
21 are relying more and more and more heavily
22 cytogenetics.

23 So different forms of leukemia have
24 specific cytogenetic problems associated with those.
25 And hematologists are keenly interested in that

1 because it allows them to accurately diagnose it, it
2 allows them to give a good prognosis or an accurate
3 prognosis to the family or the patient as well as more
4 appropriately treating it.

5 Acute promyelocytic leukemia or APL has a
6 very distinctive cytogenetic abnormality associated
7 with it, and that is a translocation between
8 chromosomes 15 and 17.

9 Q. Okay. So explain to us what is happening
10 here that leads to APL.

11 A. This 15;17 translocation, it is a
12 hallmark of APL. So in the United States, anyway, you
13 -- they will not diagnosis a patient with APL unless
14 they see this 15;17 translocation. And the
15 translocation means that a little piece of chromosome
16 15 is going over here. And this is chromosome 17.
17 And a little piece of chromosome 17 is moving over
18 here. So some of 15 is now part of 17 and some of 17
19 is now part of 15. And you can't see it on these
20 slides, but chromosomes are banded. So there is a
21 unique set of bands that allow people, under a
22 microscope, to identify which piece of which
23 chromosome belongs where.

24 So you can see this under -- under an
25 appropriate microscope.

1 Q. Okay. And does Mr. Wolfe have a 15;17
2 translocation?

3 A. Correct, he does. And when you get this
4 15;17 translocation -- and this is a little
5 complicated, but the result of that translocation is
6 what's called a fusion protein. And it is because you
7 have these pieces that are combining with each other
8 inappropriately. And that's what this is down here.
9 And we don't know exactly how it works, but this
10 fusion protein is thought to be the -- is thought to
11 underlie why someone gets APL.

12 Q. Okay. Very simplistically, would it be
13 correct that some of the information that should be on
14 chromosome 15 ends up on chromosome 17 and some of the
15 information that should be on chromosome 17 ends up on
16 chromosome 15?

17 MR. GIANARIS: Object to the form.

18 A. That's exactly what happens.

19 Q. (BY MR. SCHIRRMEISTER) And does that
20 lead to APL?

21 A. It certainly leads to something that you
22 can always see in APL.

23 Q. Okay.

24 A. You know, we're not at a point where we
25 can absolutely draw out a path and say here's exactly

1 where the transformation occurs, but this is always in
2 APL. So clearly, it has something to do with the
3 disease.

4 Q. Okay.

5 MR. SCHIRRMEISTER: Offer Defendants'
6 Exhibit 7.

7 MR. GIANARIS: Over objection.

8 Q. (BY MR. SCHIRRMEISTER) Okay. I'm now
9 going to offer what has been marked as 8B.

10 MR. GIANARIS: You're offering it into
11 evidence or just showing it to him.

12 MR. SCHIRRMEISTER: I'm going to show it
13 to him first.

14 MR. GIANARIS: So you haven't offered it
15 yet?

16 MR. SCHIRRMEISTER: I will in a moment.

17 MR. GIANARIS: Okay.

18 MR. SCHIRRMEISTER: I will -- I will, 8B.

19 MR. GIANARIS: I'll make my objection now
20 and we'll be all right. Mr. Schirrmeister, just so I
21 don't interrupt you so you don't have to edit this as
22 much if you play it, may I just have a standing
23 objection to offering the exhibits that you guys have
24 created into evidence so I don't have to make an
25 objection every time?

1 MR. SCHIRRMEISTER: Sure.

2 MR. GIANARIS: Okay. Thank you.

3 Q. (BY MR. SCHIRRMEISTER) Okay, David.

4 Now, this is called hematopoiesis, correct?

5 A. Yes.

6 Q. And tell us -- and I -- we -- we prepared

7 this slide in connection with the treatment,

8 understanding the disease and the treatment, correct?

9 A. Yes. I think that's why we put it in.

10 It actually provides a clearer picture of what you

11 were asking me earlier about the different lineages,

12 because they are all listed here --

13 Q. Okay.

14 A. -- pretty clearly. And it also depicts

15 how these -- this differentiation occurs. As the

16 cells are marching through this pathway, they are

17 picking up characteristics of these various cell

18 types. So they start picking up the functionality.

19 Like for the red blood cell, somewhere around in here,

20 they start expressing hemoglobin. Okay. This cell

21 doesn't express hemoglobin, so it -- it has said, I'm

22 going to be a red blood cell, and it has started in

23 that process to allow it to be an erythrocyte. So

24 that's -- that is depicted very nicely on this slide.

25 Q. Okay. Now, show us where on this slide

1 you believe the APL disease develops.

2 A. This is called a granulocyte. And
3 there's actually lots of different kinds of
4 granulocytes, so this is a mature cell that you can
5 find in the periphery. And some of the precursor
6 cells or the cells that are more immature but are
7 leading to the formation of a granulocyte have
8 different names. This one is known as a myelocyte.
9 This one is called a promyelocyte. Pro means it's a
10 little earlier than that. And so promyelocytic
11 leukemia is happening at this level.

12 Q. Okay. And the -- what -- what -- the
13 myelocyte, what type of cell is that? Is it a red
14 blood cell, a white blood cell, a platelet? What type
15 of cell is it?

16 A. Yeah, all of these are going to be -- all
17 of these are going to be white blood cells. This is
18 the only red blood cell. This is the only platelet.
19 Even lymphocytes would be considered white blood
20 cells. So that determination doesn't really fit with
21 this categorization, but these are -- are going to be
22 granulocytes. They are white blood cells. They are
23 important in fighting infections.

24 Q. Okay. So the -- a cell that is affected
25 in APL, what is its function in the blood?

1 A. The cell that is affected in APL, its
2 function is just to continue to mature and
3 differentiate and ultimately produce a granulocyte,
4 whose role is to fight infections.

5 Q. Okay. Now, tell us what the disease of
6 APL is vis-a-vis what is happening on Exhibit 8B.

7 A. Yeah. What happens is -- which is why
8 the treatment is -- is so beautiful, really, is that
9 we know that in this process in patients that have
10 acute promyelocytic leukemia, the process stops right
11 here or, perhaps, right here; but it is not allowed to
12 take the final differentiation step.

13 So it stops at a promyelocyte stage. So
14 you get an increase in promyelocytes. Those do not
15 take the next step and produce functioning
16 granulocytes.

17 So the individuals don't -- they are not
18 as capable in fighting infection, they don't have
19 enough granulocytes, and they have too many of these
20 cells that are -- that are present in the bone marrow
21 or the periphery.

22 Q. Okay. And is that the APL disease?

23 A. That is the APL disease. You have -- the
24 leukemia is that you have a huge collection of these
25 promyelocytes that are not fully functional, so they

1 are not really doing anything; but they are blocked in
2 their differentiation probably by that fusion protein
3 that I showed you on the 15;17. That blocks it in its
4 differentiation and -- and prevents it from turning
5 into a granulocyte.

6 Q. Okay. And how -- what -- what is the
7 standard treatment and how does it work?

8 A. The standard treatment, the first -- the
9 first stage treatment is a drug called altransretnoic
10 acid. And altransretonoic acid, it really is kind of
11 magical, because what it does is it allows that block
12 and differentiation to be overcome.

13 So it allows this promyelocyte to take
14 these additional steps and differentiate and mature
15 into a granulocyte. Now, why that's important in
16 treating leukemia is that granulocytes only live about
17 eight hours. So they have a relatively short half
18 life. So when you -- this one can live for a long
19 time. But when you allow a promyelocyte to convert
20 itself to a granulocyte, it dies on its own. So the
21 drug, altransretnoic acid, doesn't have to kill
22 proliferating cells, like most other chemotherapeutic
23 agents do. It is a differentiation agent, and it
24 allows this cell, basically, to kill itself by
25 converting itself to a granulocyte.

1 Q. Okay. How -- and what is the name of
2 that treatment?

3 A. The drug is called altransretonoic acid,
4 ATRA.

5 Q. Okay. And that is the treatment that
6 Mr. Wolfe received?

7 A. Yes.

8 Q. Okay. And is -- and how is the altrans
9 -- this treatment, altransretonoic acid treatment,
10 different than other chemotherapy drugs such that it
11 is unique?

12 A. There's no -- there's really nothing like
13 it. Any -- in any treatment of any disease that I've
14 seen, altransretonoic acid induces differentiation
15 like I just described. So it's causing these cells to
16 turn into granulocytes; they die on their own.

17 Every other form of treatment for various
18 types of leukemia -- now, there is a new one for CML.
19 But for AML, all of the different subtypes of AML,
20 they are treated with anti-proliferating agents. So
21 these are alkylating agents, or somehow these are
22 drugs that kill cells that are dividing. Since the
23 leukemia cells are dividing, the thought is, well, we
24 can kill the leukemia cells, and that's going to help
25 the patient. So all of these drugs -- and there's,

1 you know, too many to list, but they all act basically
2 the same way, and that is they are killing
3 proliferating cells.

4 Q. Okay.

5 A. Because proliferating dividing cells are
6 not just leukemia cells, and you've got dividing cells
7 all over the place, then that causes a lot of the side
8 effects that people know and appreciate with these
9 chemotherapeutic agents, like hair falling out,
10 because the hair follicles have a lot of proliferating
11 cells in them. And some of the nausea that's
12 associated with these chemotherapeutic agents, because
13 it's killing these proliferating cells in the GI
14 tract. So -- I mean, altransretonoic acid has some
15 side effects, too, but it's a different -- totally
16 different process than what are treating the other
17 AML types.

18 Q. Okay. And then the next --

19 MR. SCHIRRMEISTER: I'm going to offer
20 Exhibit 8B. Okay.

21 Q. (BY MR. SCHIRRMEISTER) David, the next
22 reason you mention was epidemiology. And what is this
23 article?

24 A. This is a study by Dr. Douer. It's in
25 California. And he's not the only one, but this is

1 where he went out and, recognizing these distinct
2 clinical characteristics of APL, he published a paper
3 on the epidemiology of this specific subtype.

4 Q. Okay. Now, some experts on behalf of the
5 plaintiffs, a Dr. Milman, I believe, has testified
6 that it is not possible to conduct epidemiology
7 studies on APL. Do you agree with that?

8 A. I -- I don't understand the basis for
9 that comment, because we're -- we're going to talk
10 about lots, and there are many that we aren't going to
11 put in this presentation. So APL -- either APL or the
12 15;17, which they are synonymous, they are the same
13 thing, people look for those all of the time and have
14 done studies on those all the time.

15 Q. Okay. Are any other forms or subtypes of
16 AML studied uniquely in epidemiology?

17 A. To my knowledge, there are no more FAB
18 subtypes, but people are starting to think --
19 epidemiologists today are starting -- they recognize
20 that the cytogenetic changes, let's say, an 8;21
21 translocation, every one that has an 8;21
22 translocation, their leukemias are very similar to one
23 another. So they are starting to conduct
24 epidemiological studies based on these cytogenetic
25 profiles.

1 So people are recognizing that that is a
2 more appropriate way of grouping these diseases, so
3 the 15;17 or 8;21 or inversion 16 or any of the other
4 ones, that is where the field of epidemiology is
5 going. Up to this point, the answer to your question
6 is no.

7 Q. No. Okay. Now, tell us why you've
8 selected this study and what the significance is.

9 A. Well, I selected this study because it
10 specifically addressed the question at hand, and it
11 illustrates pretty clearly that Dr. Douer, based on
12 his research, concluded that APL is very different
13 from the other subtypes of -- of AML. In fact, I'll
14 just read it. "Descriptive epidem" --

15 Q. Why don't -- just -- if you would -- well
16 go ahead read the top and the bottom, too. I'm going
17 to ask you about the bottom.

18 A. Do you want me to read the top?

19 Q. Why don't you read the bottom.

20 A. Okay. "It is, therefore, likely that
21 etiological factors for APL are different from those
22 of other AML subtypes. So far, no environmental
23 and/or occupational risk factors have been found for
24 APL."

25 Q. Okay. And -- and that is based on an

1 epidemiology study?

2 A. Yes. And his review of the literature
3 and his understanding of the disease and all of the
4 things that we've been talking about.

5 Q. Okay.

6 MR. SCHIRRMEISTER: I'm going to offer
7 Exhibits 9 and 10.

8 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
9 now going to hand you what has been marked as Exhibits
10 11 and 12. Would you identify those, please.

11 THE REPORTER: Can we go off the record
12 for a second, please?

13 MR. SCHIRRMEISTER: Yeah.

14 THE VIDEOGRAPHER: Going off the record.
15 The time is 10:45.

16 (Discussion off the record.)

17 THE VIDEOGRAPHER: We are back on the
18 record. The time is 10:45.

19 Q. (BY MR. SCHIRRMEISTER) Okay. David,
20 I've handed you what has been marked as Exhibits 11
21 and 12. Would you identify these for us, please.

22 A. Oh, right. This is another study that
23 really did the same thing. The epidemiology of acute
24 promyelocytic leukemia in Italy. Recognizing that
25 it's a distinct disease, they went out and conducted

1 an epidemiological study and looked for occupational
2 risk factors associated with this specific disease,
3 APL. And as you can see -- and I think it might be on
4 the second page, but they concluded, "The results
5 obtained in our study confirm that epidemiologically,
6 as well as clinically and hematologically, that APL
7 behaves differently from other subtypes of" -- this is
8 acute non-lymphocytic leukemia, which is just another
9 way of saying AML basically.

10 Q. Okay. Now, David, I'm going to go back
11 to your five factors that you identified. Would you,
12 again, describe the significance of these five factors
13 with regard to how APL is a different disease than
14 AML?

15 A. Sure. We didn't show any pictures; but
16 morphologically, you can identify APL, and it is
17 different than the other subtypes. That's probably
18 less important, because there can be variability in
19 the way the cells look.

20 The cell of origin we discussed. And
21 that the cell of origin for APL is thought to arise at
22 a different place than the other subtypes of AML
23 subject to some -- you know, some discussion in the
24 literature about, you know, really where that happens.
25 We don't know definitively where it occurs, but it

1 seems to be happening where I pointed out.

2 The cytogenetics -- this is totally
3 distinct. There is nothing else like APL that has the
4 15;17. If it's a leukemia and it has 15;17, it's
5 called APL. If it's APL, it has the 15;17. The
6 treatment -- I only talked about altransretonoic acid,
7 because that is the front-line therapy. If that
8 fails, there are other things. And eventually, if
9 everything fails, they will fall back on
10 anti-proliferation agents and, you know, just try to
11 kill dividing cells. But most people have pretty good
12 response to altransretonoic acid. And because it is a
13 differentiation agent and not just killing dividing
14 cells, it's -- it's unique. There's really nothing
15 else like it in the treatment of leukemia. And then
16 as we just discussed, there are two nice examples of
17 epidemiological studies where they concluded, they
18 agreed that APL is different. It is not just another
19 subtype of AML.

20 Q. Okay. And if the plaintiff -- if a
21 plaintiff's expert were to testify that there is no
22 difference between an APL and an AML, would you
23 disagree with that?

24 A. I -- I would hope that everyone would
25 disagree with that. But, yes, I would, for sure.

1 Q. Okay.

2 MR. SCHIRRMEISTER: I'm going to move and
3 I would like to offer into evidence Exhibits 11 and
4 12.

5 THE DEPONENT: I'm not keeping these in a
6 very nice order over here.

7 MR. SCHIRRMEISTER: That's all right.

8 Q. (BY MR. SCHIRRMEISTER) Okay. David, why
9 don't you sit now. And I'm going to ask you about --

10 MR. GIANARIS: Sit.

11 Q. (BY MR. SCHIRRMEISTER) -- ask you about
12 a few other things. The next opinion that you have,
13 would you read this opinion, please.

14 MR. GIANARIS: Object to the form,
15 leading.

16 A. I'm going to get to where we are, so --

17 Q. (BY MR. SCHIRRMEISTER) Yes, I'm sorry.

18 A. That's fine. I got it.

19 Q. It's page 18 on the slides.

20 A. That the best available scientific
21 literature does not support a link between benzene and
22 acute promyelocytic leukemia or APL.

23 Q. Okay. And, again, I asked you earlier
24 what you understood the plaintiffs' allegations in
25 this case to be. How is that statement relevant to

1 what you understand the plaintiffs' allegations in
2 this case to be?

3 A. Well, I think this is completely germane
4 to this question. I mean, I think what they want to
5 say is that there was enough benzene in these solvents
6 to cause leukemia, not segregate out and discuss APL
7 as a distinct entity, and not back away and talk about
8 toluene and xylene, but try to make this about, you
9 know, a really, really minor component in those
10 solvents. So I think that this question here has been
11 asked, and it is directly relevant to what they are
12 alleging.

13 Q. Okay. So is the --

14 MR. GIANARIS: Object -- object to the
15 form. Nonresponsive. Move to strike.

16 Q. (BY MR. SCHIRMEISTER) Is the al -- is
17 the -- is -- the next slides that we'll be seeing,
18 have you examined the literature in order to identify
19 studies that question whether there is a link or an
20 association between benzene and Mr. Wolfe's disease,
21 APL?

22 MR. GIANARIS: Object to form.

23 A. As far as I know, I have looked at all of
24 the scientific evidence that could be used to discuss
25 a link between benzene or organic solvents or

1 occupational exposures and the development of acute
2 promyelocytic leukemia.

3 Q. (BY MR. SCHIRMEISTER) Okay. David,
4 would you scoot a little over. You're in the --

5 A. Yeah.

6 Q. Sorry about that. Okay. Now, I'm going
7 to hand you what's been marked as Exhibits 13 and 14.

8 A. Okay.

9 Q. Now, tell us what type of studies have
10 you looked for? What type of question were you
11 attempting to answer as you examined the literature
12 here?

13 A. Well, I mean, I -- I cast the net as wide
14 as I possibly could, and I looked for what anyone --
15 what anyone has said about the epidemiology associated
16 with APL. And I've looked at what anyone said with
17 regard to what benzenes can do and the various
18 subtypes of AML. So I've -- I've looked at all of the
19 literature that I can think of that is -- that has
20 some angle of relevance to this question.

21 Q. Okay. Now, would you identify this
22 article, please, and tell us the author and what it
23 was about.

24 A. This was a study published by
25 Dr. Mitelman. And chromosomal pattern -- the title

1 is, "Chromosome pattern, occupational, and clinical
2 features in patients with acute non-lymphocytic
3 leukemia," which is another word for AML. And they --
4 they really did exactly what we're talking about.

5 What he wanted to do was to understand whether there
6 was a specific chromosomal pattern or a specific
7 pattern of various types of AML associated with
8 occupation. And one of the diseases that he
9 segregated out was acute promyelocytic leukemia. And
10 as you can see in the exposed group, they were exposed
11 to solvents, insecticides -- I mean, there were
12 different categories -- or petroleum solvents. There
13 was only one case of APL in that group. There were
14 five cases in the nonexposed. So this -- I mean, he
15 clearly did not see an increase in APL with regard to
16 these exposures.

17 Q. Okay. So does this graph, then, show
18 that people who were not exposed to solvents,
19 insecticides, or petroleum products were -- there were
20 five people who developed APL, whereas the people who
21 were exposed only developed -- there was only one?

22 MR. GIANARIS: Form objection.

23 A. That's correct. That's correct. In
24 that, you know, the overriding conclusion was that
25 that red box that there's more APL cases in the

1 unexposed individuals.

2 Q. (BY MR. SCHIRRMEISTER) Okay. And what
3 does that tell you with regard to Mr. Wolfe's claims
4 in this case?

5 A. Well, this study would not support that
6 anything that he was exposed to, as far as solvents go
7 or petroleum products, or anything that he was doing,
8 played a role in the development of his disease.

9 Q. Okay.

10 MR. SCHIRRMEISTER: Offer Exhibit --
11 Defendants' Exhibits 13 and 14.

12 Q. (BY MR. SCHIRRMEISTER) Okay. David,
13 would you look at -- I'm going to hand you what is
14 marked as Exhibit 15 and 16. And is this another
15 article?

16 A. Yes. Yes. This was by Dr. Golomb at the
17 University of Chicago. And James Vardiman -- I just
18 noticed that. And James Vardiman was an author as
19 well, basically doing the same thing. They were
20 looking for a correlation with occupation. Here were
21 the groups of solvents that they looked at and whether
22 or not they saw a difference in karyotypes, whether
23 they saw any change in -- in this case, they were
24 looking for 15;17, but that really is the same
25 epidemiological question, is trying to understand

1 whether people are getting APL. So those -- because
2 those go hand in hand.

3 Q. Okay. And how -- how many people were
4 exposed and developed APL versus how many people who
5 were never exposed and developed APL?

6 A. There was one case of APL out of the
7 exposed group, and there were four cases of APL in the
8 control group. So --

9 Q. Okay.

10 A. -- clearly this cannot be used to support
11 the position that exposure to insecticides, solvents,
12 or petroleum products increased the risk of developing
13 the 15;17 translocation, which is APL.

14 Q. Okay. And how -- what is the relevance
15 of this to Mr. Wolfe's claim that exposure to toluene,
16 xylene, and petroleum distillates which naturally
17 occur in trace benzene caused him to develop APL?

18 A. Well, I think those would either fall
19 into the solvent category or these petroleum products
20 would have just as much benzene in them or perhaps
21 more than what toluene and xylene is going to have in
22 it. And there is just not -- based on this study,
23 there is not a relationship between exposure to those
24 solvents and this specific disease.

25 MR. SCHIRMEISTER: Okay. Offer

1 Defendants' 15 and 16.

2 Q. (BY MR. SCHIRRMMEISTER) David, I'd like
3 -- I'm going to hand you what has been marked as
4 Exhibit 17 and 18.

5 A. Okay.

6 Q. And is this another study?

7 A. Yes.

8 Q. Okay. And tell us what the -- who the
9 author is and the name of the study.

10 A. Dr. Crane. I -- I don't know him. But
11 this is a Journal of the American Medical Association.
12 But it was really the same thing, environmental
13 exposures, although they actually look at occupation,
14 in cytogenetically defined subsets.

15 So they were using cytogenetics to define
16 the types of AML that they were interested in. Here's
17 the 15;17. So this is specifically relevant to APL.
18 And in their study, they didn't find any cases in
19 their exposed group.

20 Q. Okay.

21 A. Now, it's a small study -- all of these
22 are very small studies, but it certainly does not
23 support that there was an increase based on their
24 occupational exposures. And that was very wide. As I
25 recall, there were solvents, but there were lots of

1 other things that they were potentially exposed to as
2 well.

3 Q. And what is this rel -- what is the
4 relevance to this study to Mr. Wolfe's claim that
5 toluene, xylene, and petroleum distillates with
6 naturally occurring trace benzene caused him to
7 develop APL?

8 A. That there were no cases of people that
9 had any kind of occupational exposure to APL. So this
10 does not support that APL was a disease that was
11 associated with occupational exposures.

12 Q. Okay.

13 MR. SCHIRRMEISTER: Offer Exhibits 18 --
14 let's see, was that 18 and 19? What were the last two
15 that I handed you?

16 THE DEPONENT: 18 and 9 -- 17.

17 MR. SCHIRRMEISTER: 17.

18 THE DEPONENT: 17 and 18.

19 MR. SCHIRRMEISTER: Offer 17 and 18.

20 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
21 going to hand you what's been marked as Exhibits 19
22 and 20, Fagioli, 1991 articles. If you would take a
23 look at those, sir.

24 A. Thanks.

25 Q. Okay. What is the title of this article

1 and what were the authors attempting to do?

2 A. The title is "Distinct cytogenetic and
3 Clinicopathologic Features in Acute Myeloid Leukemia
4 After Occupational Exposures to Pesticides and Organic
5 Solvents."

6 So this is directly relevant to the
7 question that we are asking, whether or not exposure
8 to organic solvents causes AML or a specific subtype
9 of AML, in this case, APL. And what they concluded
10 and was in their abstract is, whereas the classic
11 AML-specific translocations -- those are the ones that
12 you classically see in de novo or new leukemias that
13 have no occupation, i.e., the 15;17. So this is the
14 APL. They were detected only in unexposed subjects.
15 So they did not see any people with the 15;17 in the
16 exposed -- people exposed to pesticides or organic
17 solvents. There weren't any. So they couldn't do any
18 statistical analysis of them.

19 Q. Okay. And what is the relevance of these
20 authors' conclusions with regard to Mr. Wolfe's claims
21 in this case?

22 A. The -- this -- these conclusions in this
23 study does not support that occupational exposure to
24 organic solvents increases the risk or increases the
25 number of people that have acute promyelocytic

1 leukemia and express this 15;17 translocation.

2 MR. SCHIRRMEISTER: I'll offer

3 Defendants' 19 and 20.

4 Q. (BY MR. SCHIRRMEISTER) David, I'm going
5 to hand you what's been marked as Exhibits 21 and 22,
6 sir.

7 A. Okay.

8 Q. Would you identify this particular study
9 for the jury and explain its significance.

10 A. This study came out of China. And this
11 is a certified translation from the National
12 Investigative Group for the Survey of Leukemia and
13 Aplastic Anemia. And what they did was a countrywide
14 analysis of various types of AML, various sub --
15 various types of leukemia as well as some subtype
16 analysis of AML and aplastic anemia. They looked at a
17 variety of agents. And this was -- the data that they
18 presented, the little asterisk here means that it
19 achieved statistical significance. We'll discuss that
20 in a minute; but that means that it, you know, is
21 likely a real association.

22 They found an association between benzene
23 and M2. They did not find an association between
24 benzene and M3. So this study -- and it's a really
25 large study. They looked at over -- it was over a

1 million people. They looked at a 1,200 cases of
2 leukemia. So it was way bigger than all of these
3 others combined. But they did not find a
4 statistically significant excess of M3s, acute
5 promyelocytic leukemia, in these Chinese populations
6 associated with benzene exposure.

7 Q. Okay. And M3 is APL?

8 A. M3 is APL. That is the same -- that's
9 the FAB classification system for it. So this stands
10 really in direct contradiction to the allegations in
11 this case, that benzene can cause APL.

12 Q. Okay. To your knowledge, is this the
13 only study anywhere that has specifically examined
14 benzene and increased risk of APL?

15 A. It's the only one that I know of that has
16 -- has done it to this level of specificity. I mean,
17 all of those other studies with organic solvents and
18 this, that, and the other, they are going to have some
19 benzene in there. But this one specified benzene as a
20 chemical -- a specific chemical and looked at the
21 relationship between benzene and APL.

22 Q. Okay.

23 A. There has -- there's not another one that
24 I am aware of.

25 Q. Okay. And what is the significance of

1 the results of this study for Mr. Wolfe's claims in
2 this case?

3 A. It -- it does not support the position
4 that exposure to benzene is a risk factor for the
5 development of this particular subtype of APL. And it
6 did have the statistical power. It was a strong
7 enough study to see statistical changes. So it did
8 find a risk with M2s, but it did not find one with M3.
9 So you can't really look at this and argue that this
10 study isn't big enough and it didn't have the power to
11 -- to measure that association.

12 Q. Okay.

13 MR. SCHIRRMEISTER: We're going to offer
14 Exhibit -- Defendants' Exhibits 21 and 22. And I
15 think this would be a good time to take a break.

16 MR. GIANARIS: Okay.

17 MR. SCHIRRMEISTER: Thank you, sir.

18 THE VIDEOGRAPHER: Going off the record.
19 The time is 11:02.

20 (Recess taken, 11:02 a.m. to 11:18 a.m.)

21 THE VIDEOGRAPHER: We're back on the
22 record. The time is 11:18.

23 Q. (BY MR. SCHIRRMEISTER) Okay. David,
24 would you read your next opinion and briefly explain
25 to the jury what you will be discussing --

1 MR. GIANARIS: Object to form.

2 Q. (BY MR. SCHIRRMMEISTER) -- with this
3 opinion?

4 A. This slide reads, "Mr. Wolfe was not
5 exposed to enough benzene to develop any form of
6 leukemia."

7 So, really, what we're going to talk
8 about the -- well, the fact that everyone is exposed
9 to some level of benzene, just in our normal
10 environment. So that's one aspect. And the other
11 aspect is that people have conducted quantitative
12 epidemiology to see and in -- and investigate and
13 evaluate how much benzene it takes before you start
14 meaningfully increasing someone's risk of developing
15 some form of leukemia. And we're going to put
16 Mr. Wolfe's estimated exposures into context with that
17 quantitative epidemiology.

18 Q. Okay. Now, would you explain -- first of
19 all, I'm going to offer -- I'm going to hand you what
20 has been marked as Defendants' Exhibit 23. Would you
21 identify Exhibit 23, please.

22 A. This is a picture of North Carolina with
23 all of the counties color-coded. And it really is
24 just to illustrate to -- to the folks in this trial
25 that there's benzene -- there's benzene everywhere.

1 We are all exposed to low levels of benzene just in
2 the ambient environment.

3 Q. Okay.

4 A. So in this room, in that room, there's
5 benzene in the air in the United States.

6 Q. Okay. So we're sitting in a conference
7 room here today in Colorado. Are we breathing
8 benzene?

9 A. We most certainly are.

10 Q. Okay. And the folks sitting in the
11 Courthouse before Judge Craig in Forsythe County, are
12 they breathing benzene?

13 A. They are.

14 Q. Okay. And what is the source for this
15 data?

16 A. The source for this data is the USEPA.

17 Q. Okay. And specifically what program from
18 the EPA there?

19 A. It looks like NATA, the National Scale
20 Air Toxics Assessment.

21 Q. Okay.

22 A. I'm not familiar with what they do, but
23 it came from USEPA.

24 Q. Okay. And describe for us the -- why are
25 there some higher elevated benzene concentrations in

1 certain areas of North Carolina than others?

2 A. The -- the -- the background benzene in
3 the atmosphere typically comes from vehicle exhaust,
4 so you're going to find increases in the amount where
5 there are major cities. So Charlotte, Asheville,
6 Winston Salem, Greensboro, those are the ones that
7 tend to have more benzene than more rural areas. And
8 it doesn't really matter about these numbers, but none
9 of these levels of benzene from high to low are
10 thought to have any toxicological relevance. So it's
11 just -- it's not to scare anyone, it's just to show
12 people that we are all exposed to benzene every day.

13 MR. SCHIRRMEISTER: Offer Defendants' 23.

14 Q. (BY MR. SCHIRRMEISTER) David, I'm going
15 to hand you what has been marked as Exhibit 24. And
16 what is this slide and what's the relevance, please?

17 A. This is just a continuation of that
18 concept and to show people that things that they are
19 exposed to in their daily life, that their diet has
20 benzene in it. Benzene is a ubiquitous chemical.
21 It's a really simple aromatic ring, and you find it
22 all over the place. You find it in drinking water,
23 you find it in air, you find it in bananas, you find
24 it in cake, pizza, fried chicken, et cetera. So no
25 matter what it is, what kind of diet you're on, there

1 is some low level of benzene that are -- are in those
2 products that you're eating.

3 Q. Okay. And what is the source for this
4 data?

5 A. This is from FDA, the Food and Drug
6 Administration.

7 Q. Okay.

8 MR. SCHIRRMEISTER: I'll tender Exhibit
9 No. -- offer Exhibit 24.

10 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
11 going to hand you what's been marked as Exhibit 25.
12 Would you take a look at that, please. And explain to
13 us dose response, and specifically with regard to the
14 bullet points on Exhibit 25.

15 MR. GIANARIS: Objection, leading.

16 A. A dose response, it's not magical; but it
17 is the most important concept in toxicology. And I
18 will say, whether I'm teaching toxicology to medical
19 students, to physicians, to graduate students, it is
20 the very first thing that I teach them. And it is the
21 first thing on the first day; and at the end of the
22 course, it is the thing that I hope that they remember
23 the most. And that is that there has to be a
24 meaningful relationship between the dose and the
25 response. And if you increase the dose, if a person's

1 exposure increases, then the response needs to change
2 according to that change in dose.

3 So that's -- there has to be a connection
4 between the dose and the response. It doesn't always
5 have to go up. Sometimes it can go down. It can be
6 quite complicated, but there has to be a connection.
7 If you change the dose and the response does not
8 change, then probably, from a scientific point of
9 view, you are not looking at what you think you're
10 looking at, and the response is related to something
11 else other than the dose. That's why toxicologists
12 are so keenly interested in this notion of a dose
13 response relationship.

14 Q. (BY MR. SCHIRRMESTER) Okay. I'm going
15 to offer 25 and hand you what has been marked as
16 Exhibit 26. It's a dose response chart. Would you
17 explain that to the jury, please.

18 A. So this is the simplest dose response
19 relationship that we could come up with. And down
20 here, you see the dose is increasing. And then
21 whatever you're defining as the response is on the Y
22 axis here, and so that's going up. And it's just
23 showing you that as the dose increases, you're
24 starting to see more of a response.

25 So down here, you don't have an effect.

1 So the dose is too low for there to be an effect. At
2 this dose, you start seeing a slight effect, a
3 moderate effect, a more serious effect. And then if
4 it's a really toxic chemical or exposure, you know,
5 perhaps it goes all the way to death of the animal.

6 So this is the simplest kind of dose
7 response; but it illustrates the point, you know, to
8 the jury, or to whoever is looking at this, that as
9 the dose is changing, the response is changing along
10 with it.

11 MR. SCHIRRMEISTER: Okay. I'll offer 26
12 and hand you 27, David.

13 Q. (BY MR. SCHIRRMEISTER) Now, this is
14 called Doses of Common Substances, a typical dose and
15 a toxic dose. And if you would explain this to the
16 jury; and then, secondly, explain the significance
17 with regard to the dose response concept.

18 A. Really, this -- this is -- when you talk
19 about a dose response, that means that it takes a
20 certain amount of the chemical to be toxic. So even
21 things like benzene, if you're exposed to low enough
22 levels of benzene, there's no evidence that it's
23 toxic. And even really common, what we consider to be
24 safe substances, like water, sugar, salt, if you're
25 exposed to too much of those things, then you can get

1 toxicity.

2 So as Paracelsus said -- and we kind of
3 skipped that, but that's fine. He was an early
4 toxicologist. He said probably the most famous quote
5 in this field is that the dose makes the poison. So
6 you can't say that a chemical is toxic unless you also
7 understand what the exposures are, unless you also
8 understand what the doses of that chemical that you're
9 talking about.

10 So a quart of the water is not toxic.
11 Seven-and-a-half gallons is typically lethal. It
12 messes up the electrolytes and causes cardiovascular
13 problems. So everyone has heard of people that drink
14 too much Gatorade and potentially died from it or, you
15 know, got really sick because of it.

16 So that's what this slide is showing you,
17 that a little bit of salt is okay, too much salt is
18 toxic. So it's -- it's a concept that most everyone
19 is familiar with.

20 Q. Okay. And does this concept of dose
21 response also apply to benzene?

22 A. Of course, it does. That's -- I mean,
23 the reason why we had those slides earlier was to show
24 people that we are all exposed to benzene every day of
25 our life all of the time. And there is no adverse

1 effects associated with those exposures. So those are
2 below a point where anyone is going to think that
3 there is adverse toxicity associated with it.

4 Q. Okay. And if a plaintiff's expert were
5 to testify that dose does -- dose of benzene -- dose
6 not matter, would you disagree with that?

7 A. I -- I would as well as the scientific
8 world would disagree with that statement.

9 MR. SCHIRRMEISTER: Offer 27.

10 Q. (BY MR. SCHIRRMEISTER) I'm going to hand
11 you what has been marked as Exhibit 28. David, this
12 is a slide called Dose Response Curve For Aspirin.
13 Would you explain this to the jury, please.

14 A. Sure. This is just a little more
15 complicated verse, but down here is the dose, over
16 here is the response. This one is a little different
17 because it makes a change or it delineates the
18 difference between a beneficial effect and a toxic
19 effect. And this dotted line here means that doses
20 below this line actually have a beneficial effect;
21 doses above this line, that's where you start seeing
22 toxicity.

23 So this red line here is the -- the dose
24 response curve. And we know from epidemiology that
25 low doses of aspirin are beneficial in that you

1 actually have a decreased risk of certain
2 cardiovascular problems. But if you start taking too
3 much aspirin, then you start getting GI bleeding and
4 other GI problems. So that's what this line is
5 depicting. But this is a standard kind of dose
6 response.

7 Now, this red line is actually supposed
8 to be touching there. And the idea is that that would
9 be called a threshold. And that is a dose below which
10 you really don't see anything going on.

11 So at doses of aspirin below the
12 threshold, you don't have a beneficial effect, you
13 don't have a toxic effect, you can't tell that a
14 person has been exposed to those levels of aspirin.

15 MR. SCHIRRMESTER: Okay. I'll offer 28.

16 Q. (BY MR. SCHIRRMESTER) David, the next
17 exhibit I'm going to hand you is 29 titled Dose
18 Response Curve For Alcohol. And would you, again,
19 explain this to the jury.

20 A. This -- we put this in here -- I have put
21 this in here just because this is something that most
22 people are going to be either firsthand or secondhand
23 familiar with. And that is that if you increase the
24 number of -- the amount of alcohol, ethanol that a
25 person is being exposed to, then you have a dose

1 response relationship. And a little bit of wine or a
2 little bit of alcohol, you get a giddy feeling. Then
3 you start becoming impaired from a driving point of
4 view. And, you know, you could argue about where
5 those are going to be. But as that increases, blurry
6 vision, alcohol poisoning, coma, and death.

7 So it just, again, depicts this notion of
8 a dose response. And that is what -- if you were
9 going to ask someone or someone was going to ask you,
10 Well, what will alcohol do to me? Will alcohol make
11 me sick or will alcohol have some effect on me? Your
12 question is -- your -- your answer is going to have to
13 be, well, it depends on how much you're exposed to.
14 If you're only exposed to a drop of alcohol, it's
15 probably not going to do anything to you. If you
16 drink two fifths of whiskey, you know, you're probably
17 going to be up here in this category if you do that in
18 a 24-hour period. So that's -- that's the purpose of
19 this slide.

20 MR. SCHIRRMEISTER: Okay. Offer 29.

21 Q. (BY MR. SCHIRRMEISTER) Okay. David, the
22 next I'm going to hand you is Exhibit 30. And what
23 I'd like you to do is explain to the jury, please, the
24 dose response concept that you just discussed and the
25 quantitative measurement of benzene, number one. And

1 then, secondly, I'm going to ask you once you've done
2 that to discuss the levels of permissible exposure
3 limits set by OSHA --

4 A. Okay.

5 Q. -- for workplace exposure to benzene.

6 A. Yeah. Probably the easiest thing is to
7 just kind of go through the slide. But benzene
8 exposures are described in the literature and probably
9 in this case, when it's actually happening, in terms
10 that not every one are familiar with. And the terms
11 that epidemiologists and benzene toxicologists and
12 people use to define or describe benzene exposure is
13 this concept of a part per million year or a ppm year.
14 And this really is not a -- a conceptually difficult
15 concept. The part per million is a concentration.

16 What that means, we don't need to get
17 into, but a ppm is a part per million and is a way of
18 measuring how much benzene is in the air. Okay. It
19 could also be in water and other things, but for our
20 purpose, it's in the air. So a part per million tells
21 you the concentration in the air. And then the time
22 or the duration, the years, tells you how long you've
23 been exposed to that concentration in the air. So
24 that's what a -- a ppm is.

25 Q. Okay.

1 A. All right. And then the second part
2 talks about what the Occupational Safety and Health
3 Administration -- what they regulate as a permissible
4 exposure to benzene. And they call that a PEL or a
5 permissible exposure level. And --

6 Q. Okay. And -- and is that PEL set by
7 OSHA?

8 A. That's correct.

9 Q. Okay.

10 A. Right. And that is a legally enforceable
11 standard. So OSHA says this is -- the most that you
12 can have a person occupationally be exposed to is the
13 permissible exposure limit. And they do it over a
14 time-weighted average, which is how much they are
15 exposed to averaged out over an eight hour. And the
16 permissible exposure limit is 1 PEL.

17 So it is one part per million benzene
18 averaged out over -- that's what this TWA,
19 time-weighted average -- averaged out over eight
20 hours -- that's the permissible exposure level.

21 Q. Okay. And is this the permissible
22 exposure limit that was in effect all during the time
23 that Mr. Wolfe worked as a painter?

24 MR. GIANARIS: Object to foundation.

25 A. I don't recall exactly when the 1 ppm

1 became -- I think that was in '86. I don't -- he
2 might have started working in 1985, is my recall. So
3 I'm not positive about that.

4 Q. (BY MR. SCHIRMEISTER) Okay. But at
5 least from -- from 1986 forward, you understood it to
6 be one part per million?

7 A. Correct.

8 Q. Okay.

9 A. Correct.

10 Q. So let's go to the next bullet point,
11 average of 8 hour TWA over year is a ppm year, which
12 would explain that concept.

13 A. Right. So that's just what we were
14 talking about. Up here where this is kind of the
15 metric, the way that people talk about benzene
16 exposures. And the jury and the court, they are going
17 to hear about this. You know, for as long as this
18 goes on, people are going to be talking about part per
19 million years. So a -- one part per million year is
20 -- this is one way to get to it, is a 1 ppm exposure.

21 And keep in mind that that is an
22 eight-hour time-weighted average, so it's not, I was
23 exposed to 1 ppm for two seconds during the day.
24 That's not going to be that much exposure. It's 1 ppm
25 averaged out over an entire working day, eight-hour

1 working day for one year. That is a 1 ppm year.

2 Here is an example of a much higher
3 exposure where the individual was exposed to 10 part
4 per million. Again, that's not a two-second peak of
5 10 ppm. That averaged out over an eight-hour working
6 day. And they maintained that exposure for 20 years.
7 All right. So that's much higher exposures, and that
8 would end up giving you a 200 ppm year cumulative
9 exposure to benzene.

10 Q. Okay.

11 A. This bullet here is what, basically, OSHA
12 will allow in United States, because they allow an
13 eight-hour time-weighted average of 1 ppm. And that's
14 the permissible exposure limit. And the -- the
15 estimated working lifetime is 40 years. So they
16 regulate benzene as if a 40 ppm year is an
17 occupational safe level.

18 Q. Okay. Now, this 40 part per million
19 years, is this benzene exposure measurement also used
20 in epidemiology?

21 A. It is.

22 Q. Okay.

23 A. It is. It's probably the most common
24 metric measurement of benzene exposure that you will
25 find in that the epidemiology -- particular the

1 epidemiology that is quantitative. And by that -- and
2 we'll see that in a second, but there's a lot of
3 epidemiology that doesn't really describe people's
4 exposures very well. And they are less useful when it
5 comes to trying to understand whether exposures cause
6 problems because of the dose response that we just
7 talked about. The quantitative epidemiology that is
8 in the literature with regard to benzene will almost
9 all use this metric of ppm years.

10 Q. And that's the same metric that the U.S.
11 government uses?

12 A. That's the same -- yes. Yeah.

13 Q. Okay. And what does the U.S. government
14 establish as a safe likely work life for benzene
15 exposure?

16 MR. GIANARIS: Object to the foundation.

17 A. Well, they base -- since the permissible
18 exposure limit is 1 ppm over a 40-year life span or
19 40-year working life, then that ends up being 40 ppm
20 years.

21 Q. (BY MR. SCHIRRMEISTER) Okay. All right.

22 MR. SCHIRRMEISTER: Offer Defendants'
23 No. 30.

24 Q. (BY MR. SCHIRRMEISTER) Now, David, the
25 next slide, I'll hand you Exhibit 31. Now, first of

1 all, tell us -- read the title and explain to us what
2 the -- what the title means.

3 A. Benzene and acute myeloid leukemia: Dose
4 Response, Quantitative Studies of Benzene and
5 Leukemia. So in the world's literature, these are the
6 studies that have actually gone and said how much were
7 people being exposed to benzene and what was their
8 risk of leukemia or AML associated with those
9 exposures.

10 And that's what the values in yellow
11 represent. Those are the exposures that were reported
12 in this quantitative literature.

13 Q. Okay. Now, the first one -- I see the
14 first two is called pliofilm. What is the pliofilm
15 study and how was that used by the U.S. government in
16 establishing a safe level of occupational exposure to
17 benzene in the workplace?

18 MR. GIANARIS: Object to the foundation.

19 A. Pliofilm is a nickname given to rubber
20 hydrochloride. It was like a -- a cellophane-like
21 material that they made in three facilities, Goodyear
22 facilities in Ohio. And the workers that made this
23 pliofilm, they were using pure benzene as a solvent.
24 So they were dissolving this material in pure benzene,
25 they were spreading this material out on big drums,

1 they were collecting the benzene above the facility.

2 So these workers were exposed to pure
3 benzene in -- in, by today's standards, really high
4 concentrations. And the workers that were making this
5 pliofilm at certain exposures did have an increased
6 risk of developing leukemia.

7 Since there was a dose response that was
8 evident, since these workers were not exposed to lots
9 of other chemicals that could confuse the analysis,
10 and since we really kind of had pretty good ideas
11 about what these workers were doing, how long they
12 were working there, that kind of thing, then the
13 pliofilm cohort and the various analysis thereof --
14 and there's been probably 13 studies or 14 studies
15 published on this -- this cohort, that forms the basis
16 for all of our regulatory standards. In the United
17 States, that forms the -- the regulatory basis for our
18 understanding of benzene and leukemia.

19 Q. Okay.

20 A. EPA uses it, OSHA uses it, ACGIH uses it.
21 Everyone uses the pliofilm today.

22 Q. Okay.

23 MR. GIANARIS: Object to the form. Just
24 let me get it out before so I don't talk on you.
25 Object to the form, lack of foundation, move to strike

1 for lack of foundation, nonresponsive.

2 Q. (BY MR. SCHIRRMETER) And the -- the
3 first two studies, pliofilm, are those studies of that
4 pliofilm group from the Goodyear plants in Ohio?

5 A. Correct. The first one that Bob Rinsky
6 -- well, this actually wasn't the first one, but the
7 one that Dr. Rinsky published in 1987, they used all
8 leukemias combined.

9 There was a case of CML, there was a case
10 of ALL. And then Dr. Otto Wong in 1995 did a
11 subsequent analysis of this cohort, this group of
12 individuals that worked at these plants. And he
13 reevaluated only based on AML. So that's why those
14 are two different studies. But, you know, the date is
15 pretty similar. And they really were looking at the
16 same workers.

17 Q. Okay. And just so we're clear, there
18 were no APLs in any of these cases, correct?

19 A. You -- I can't say that. I don't know.
20 They didn't evaluate APLs.

21 Q. None were identified on these studies?

22 A. That's right. They didn't look.

23 Q. Okay. So the -- then -- then -- so what
24 is -- when the first two pliofilm -- significant
25 excess at greater than 200 part per million years in

1 those first two, what does that mean? Explain that to
2 the jury.

3 A. The significant excess -- and we'll talk
4 about this a little later, is -- from an
5 epidemiological point of view, it means that the
6 excess was statistically significant, which means you
7 can be reasonably confident that the excesses that you
8 saw were, in fact, related to the exposures and not
9 just random chance.

10 So statistical significance is a
11 relatively important aspect of epidemiological studies
12 and the evaluation of that data, because it allows you
13 to rule out the role that chance could play. And in
14 order to achieve a statistically significant excess,
15 it took 200 part per million years of benzene exposure
16 in these workers.

17 Q. The next one is NCI China. What is that?

18 A. That was a really large study that the
19 National Cancer Institute did over about a 20-year
20 period with the Chinese Academy of Preventative
21 Medicine. They looked at some 78,000 workers in China
22 employed in a variety of different occupations. They
23 were shoe workers and -- and rubber workers and
24 petroleum workers and painters, and all kinds of
25 different things. And they then reported out a

1 collective cumulative sort of analysis of the benzene
2 exposures. And in that analysis, they saw a
3 statistically significant increase at something
4 greater than 40 part per million years.

5 Q. Next one, Glass, Health Watch?

6 A. Deborah Glass published a paper in 2003,
7 which was the latest iteration. Health Watch is a
8 series of industry surveys that the Australian
9 Petroleum Institute funded through Monash University
10 and some others. And they basically followed these
11 refinery workers and petrochemical workers that were
12 employed in the petrochemical industry in Australia.
13 And she reported that there was an increase --
14 significant increase in acute non-lymphocytic
15 leukemia, which is AML, essentially -- at something
16 greater than 8 ppm, but it wasn't any higher than 57
17 part per million years.

18 Q. Okay. Next one is the shoe worker study.

19 A. Costantini did a -- looked at Italian
20 shoe workers and reported a consistent finding with
21 the pliofilm that it took more than 200 p -- I think
22 it was actually 199. It had to be greater than 199
23 ppm years.

24 Q. Okay. And then the three find no stat --
25 no significant access at different levels. Explain

1 those three to us.

2 A. Dr. Bloeman was doing a Dow Chemical
3 worker study. And he had exposures -- he had workers
4 in his study that were exposed to benzene
5 concentrations at greater than 79.1 part per million
6 years. And he did not report a statistically
7 significant excess.

8 So we don't know how far it has to go
9 before you would get one. All we know is that in his
10 study, you know, basically 80 part per million years
11 was not associated with an increased risk. And Rob
12 Schnatter, Dr. Schnatter and Leslie Rushton, these two
13 studies are very similar. Rob Schnatter did look at
14 refinery and petroleum workers in Canada. Leslie
15 Rushton looked at refinery and petroleum workers in
16 the UK. And they both had an exposure category that
17 was something greater than 45 ppm years. But in those
18 highest-exposed individuals, they did not see a
19 statistically significant increase, in -- in this
20 case, leukemia.

21 Q. Okay. Now, David, on Exhibit 31, are
22 these all the studies that exist that quantitatively
23 analyze benzene exposure and potential for increased
24 risk of leukemia?

25 A. The only one that I can think of that we

1 didn't put on here was a previous study to Bloeman and
2 it was by James Collins. And he had an exposure -- it
3 was pretty low. He had an exposure of 6 ppm years,
4 but there was number of statistically significant
5 increase.

6 So other than that one, if you slide that
7 one in here -- and, basically, he didn't see
8 anything -- that is it for the quantification of
9 benzene exposure and AML risk that -- that I have
10 seen.

11 Q. Okay. And how does OSHA use this data
12 that is in the quantitative studies?

13 MR. GIANARIS: Object to the form,
14 foundation.

15 A. My understanding is that -- I mean, at
16 least based on what they say in their documentation is
17 they are basing their dose response analysis on, not
18 Wong or Bob Rinsky, but what we don't have on here.
19 There -- there have been three separate exposure
20 calculations done on these workers.

21 I actually -- Bob Rinsky did do one, I
22 take that back. His '87 paper -- there were two
23 others that have tried to get their arms around what
24 these pliofilm workers were actually exposed to. EPA,
25 OSHA, all of these other regulatory agencies used

1 those three quantifications or those three estimates
2 of their exposures to set these standards.

3 Q. (BY MR. SCHIRRMEISTER) Okay. And is the
4 standard they set the one that we looked at earlier of
5 a likely work life of 40 part per million years?

6 A. Well, they set a standard of 1 part per
7 million year, which would equate to 40 over a normal
8 working lifetime.

9 MR. SCHIRRMEISTER: Okay. I'm going to
10 offer into evidence Defendants' 31.

11 Q. (BY MR. SCHIRRMEISTER) And, David, I
12 hand you Exhibit 32. Okay. What have we done in
13 Exhibit 32?

14 A. This --

15 Q. There are four columns.

16 A. Right. This was just to depict these
17 kind of graphically in a -- in a simple histogram,
18 depict these various occupational levels. So here's
19 what we're talking about with OSHA. So that's 40 ppm
20 years. Here's the Rinsky and the Wong. So all of the
21 other ones would be on here somewhere. And this
22 little red box up here illustrates that it takes
23 exposures greater than that before you start seeing a
24 statistically significant increase in -- well, in this
25 case, it's leukemia; and in this case, it's AML. And

1 then this little line here, which you can barely see,
2 little tiny yellow line there, that's based on a
3 quantification estimation of Mr. Wolfe's benzene
4 exposure during his employment as a painter that was
5 done by Brad Russell. And his cumulative exposure as
6 still made by Brad Russell was .093 ppm years.

7 MR. GIANARIS: Just I want to make an
8 objection, because I think the question went -- the
9 answer went beyond the question, so I didn't have time
10 to --

11 THE DEPONENT: Sorry.

12 MR. GIANARIS: -- inter -- interject the
13 objection before the question. But this goes beyond
14 the scope of his disclosure, beyond the scope of his
15 report, and lacks foundation as to Mr. Wolfe's
16 exposure.

17 Q. (BY MR. SCHIRRMEISTER) Okay. So let's
18 -- let's go through OSHA -- this one here, 40 part per
19 million. What does that represent?

20 A. What this -- this box represents the 40
21 part per million years. It's what OSHA allows in the
22 occupational workplace if a person is exposed at the
23 PEL, which is 1 ppm over a 40-year working life.
24 These other two boxes are where Dr. Rinsky and
25 Dr. Wong calculated a statistically significant

1 increase in either leukemia or AML.

2 Q. Okay. Now, is there any literature,
3 David, anywhere in the world that you're aware of that
4 finds an increased risk of leukemia when a person is
5 exposed to .093 part per million years?

6 A. That's -- no. No. That's not any
7 different, really, than a person's typical background
8 exposures would be through their life. But, no, there
9 is no evidence that that increases a person's risk of
10 developing leukemia generally, AML or APL
11 specifically.

12 MR. SCHIRRMEISTER: Okay. Offer
13 Exhibit 40 -- 32.

14 Q. (BY MR. SCHIRRMEISTER) Okay. Now we're
15 going to move on to your next opinion in this case,
16 David. Would you read page 35 into the record,
17 please.

18 MR. GIANARIS: Objection, leading.

19 A. Do you want me to just read this?

20 Q. (BY MR. SCHIRRMEISTER) Yes, sir.

21 A. "Painters as an occupational group are
22 not at an increased risk of developing leukemia."

23 Q. Okay. I'm going to hand you what has
24 been marked as Exhibit 33. And I think it might be
25 easiest for you to stand David, if you want to.

1 A. Sure.

2 Q. Explain some of these concepts to the
3 jury.

4 A. Well, what we needed to do in order for
5 the jury to really have some, you know, basis for
6 interpreting the literature, the literature being the
7 epidemiology studies that have looked at the
8 occupational risks associated with being a painter --
9 and as you're going to see in these subsequent slides,
10 there is a great deal of literature on that topic.
11 Lots and lots of people have reported on the
12 occupational risks associated with being a painter.

13 Q. Okay. Now --

14 A. But in order to do that, we needed to
15 provide at least a very superficial background on
16 epidemiology.

17 Q. So do you understand that Dr. Milman has
18 testified that a dose does not matter because
19 Mr. Wolfe was a painter, and there are studies that
20 say painters get leukemia?

21 A. That flies in the face of every
22 toxicological principle that exists.

23 Q. Okay.

24 A. We're all exposed to benzene, painters
25 are exposed to benzene, I'm exposed to benzene. You

1 have to quantitate the dose. You have to understand
2 what the exposures were before you can say that
3 there's an increased risk of -- of even developing the
4 disease. And then it's another whole step to say that
5 this person's disease was actually caused by those
6 exposures. But, no, I don't -- I do not agree with
7 that.

8 Q. Okay. Let's look at Exhibit 33, the
9 first one, and explain -- explain epidemiology and
10 what you're showing to the jury here, please.

11 A. So really epidemiology, in the simplest
12 terms, is the study of diseases in the human
13 population. So it's the study of the distribution of
14 disease and those causes, whether they are exposures,
15 or whatever else, in the human population.

16 So the purpose of all epidemiology is to
17 understand the cause of disease in humans. And this
18 is just to show here's a population, and those two
19 individuals have whatever the disease is that -- that
20 you're interested in looking for.

21 MR. SCHIRRMEISTER: Okay. Offer 33.

22 A. So these are very superficial in terms of
23 their explanation.

24 Q. (BY MR. SCHIRRMEISTER) Okay. Now,
25 David, I'm going to hand you Exhibit 34, which is one,

1 two, three, four, five, six slides. So let's go
2 through these. The first slide is called Cohort
3 Studies. Explain what you're showing here to the
4 jury. And before you do this, David, set the basis
5 for why these concepts are important for the jury to
6 understand when you will show them, in a few minutes,
7 paint slides or graphs from painter epidemiology
8 studies.

9 A. Okay.

10 Q. That -- I'm sorry, that's a poor
11 question.

12 A. No, I got it.

13 Q. But I think you understand what I'm
14 asking.

15 A. Yeah. I mean, as I said earlier, these
16 are just to give the court, the jury, whoever is
17 watching this -- just to give them a really basic
18 understanding of the science of epidemiology so that
19 when we start looking at data in these epidemiological
20 studies that they have the tools that they need to be
21 able to interpret and to understand what those studies
22 are really telling them. And it's not that hard.
23 It's not magic, and it's not -- you don't have to have
24 a Ph.D. in epidemiology to be able to look at the
25 studies and understand what that data tells you.

1 Having a Ph.D. epidemiology is important if you're
2 going to be doing the studies, but in terms of
3 interpreting them, we can all do that.

4 Q. Okay. And how do you use epidemiology in
5 your work?

6 A. I use epidemiology every day. I mean, I
7 am evaluating human studies in any of the
8 toxicological evaluations that I do for -- for any of
9 my clients with regard to -- because it's human data.

10 So you never have to worry about the
11 relevance of what this data means to people. When
12 you're looking at toxicological studies that have used
13 an inbred strain of mouse, while it's interesting and
14 it may give you some information on mechanism, but you
15 always have to ask yourself, Are we like that mouse?
16 Are we likely to get the same diseases that that mouse
17 is going to get? So you're always questioning the
18 relevance of that mouse data to humans. From an
19 epidemiological point of view, you've overcome that
20 hurdle. And that's a very big hurdle toxicologically.
21 This data is relevant because we are humans.

22 Q. Okay. And do you teach epidemiology as
23 well?

24 A. I do. I teach environmental epidemiology
25 class. I teach it with another person.

1 Q. Okay. Now, the first -- the first type
2 of epidemiology study is a cohort. What is a cohort
3 study?

4 A. Yeah, there are two -- there are two main
5 kinds of epidemiological analysis, and they are pretty
6 different in their design. And each -- all of these
7 studies have pros and cons, they have strengths, they
8 have weaknesses. The devil is in the details with an
9 epidemiology study, so they are not easy to conduct.
10 But the two basic kinds are cohort studies and case
11 controls. And cohort really just means group. So a
12 cohort is a group of people.

13 So when you're doing a cohort study,
14 you're looking at a group of people that had some
15 exposure, and you're comparing them to a group of
16 people that did not have that exposure. And so by
17 comparing these exposed people to what happened to
18 these unexposed people, they will allow you to start
19 making some statements about whether or not their --
20 that exposure had an affect on them.

21 Q. Okay. Now, what are we seeing here in
22 the folks that are highlighted?

23 A. Okay. So this is exactly what I talked
24 about. The ones that are highlighted, they would be
25 the individuals that had the disease. So you're

1 looking at this cohort. This is my exposed cohort.

2 And we found two individuals that had the disease,
3 kidney cancer or -- or bladder cancer or lung cancer
4 -- whatever it is that you're looking at.

5 Cardiovascular disease. And then these were our
6 nonexposed -- our nonexposed group. And the
7 comparison here is only fair if this nonexposed group
8 is chosen very carefully; but, you know, that's more
9 than we need to talk about here. But, basically, you
10 also see two individuals that have the disease in the
11 nonexposed. So you have two in the exposed, two in
12 the nonexposed.

13 Q. Okay. And when you --

14 THE VIDEOGRAPHER: Counsel, five minutes
15 until tape change.

16 Q. (BY MR. SCHIRRMESTER) Okay. When we
17 look at this, what -- and this has cohort studies,
18 three possibilities. Explain what the three
19 possibilities are in a cohort study.

20 A. Sure. When you're making those
21 comparisons -- so you're comparing the exposed group
22 with the unexposed group, right? That's really what
23 you do on a cohort. No matter how sophisticated they
24 seem, that basic thing is happening.

25 You can either have one possibility that

1 it's the same. So you have two cases in the exposed.
2 You have two cases in the unexposed. So the incidence
3 of disease, the rate of disease is the same.

4 Q. Okay.

5 A. You can also -- in the exposed group, you
6 have four cases here, compared to only two in the
7 unexposed. And this is sometimes called the control
8 group.

9 Q. Okay.

10 A. You only see two in the control group.
11 So in that case, you see more cases of disease in the
12 exposed than you see in the unexposed.

13 Q. Okay.

14 A. So something like this, you would start
15 to think, well, maybe the exposures are having
16 something to do with this increased incidence of
17 disease.

18 Q. And what's a good example that we all
19 know -- I think we all know about or we do know about
20 with exposed folks getting more of a disease than
21 unexposed people?

22 MR. GIANARIS: Objection, leading.

23 A. Yeah, there's lots of examples, but
24 probably the easiest one that I think everyone would
25 have heard about would be lung cancer in cigarette

1 smoking. And that one is pretty -- pretty unequivocal
2 that people that are exposed to cigarette smoking,
3 because they are smoking all the time, have a higher
4 incidence of lung cancer than a controlled population
5 does.

6 Q. Okay. And what is group No. 3?

7 A. And the other -- the third possibility is
8 that when you look at your exposed cohort and you
9 compare it to your control or your unexposed cohort,
10 you actually see fewer cases in the exposed group than
11 you see in the unexposed group. So here you see two
12 cases of the disease and here you see four cases of
13 the disease.

14 Q. Okay.

15 A. So you certainly wouldn't say that the
16 exposure had an -- you know, influenced or had an
17 increase on the risk. You may even say perhaps this
18 exposure is somehow protecting these individuals from
19 getting whatever this disease is.

20 Q. And what would be an example of that?

21 A. Probably an example would be what I
22 showed with the aspirin where people that take an
23 aspirin a day actually have a lower incidence of
24 developing cardiovascular disease. So --

25 Q. Excuse me.

1 A. That's fine. If you're looking at
2 cardiovascular disease, then the control people, these
3 people are not taking aspirin. They have a higher
4 rate than the people who are taking aspirin. And that
5 is the basis for us being able to say that taking an
6 aspirin is beneficial to your health, because they
7 aren't developing that disease as much as the
8 controls.

9 MR. SCHIRRMEISTER: Okay. I think we
10 have to change the tape, David.

11 THE VIDEOGRAPHER: This is the end of
12 tape No. 1. Going off the record. The time is 11:58.

13 (Recess taken, 11:58 a.m. to 12:11 p.m.)

14 THE VIDEOGRAPHER: We are back on the
15 record. The time is 12:11. This is the beginning of
16 tape No. 2.

17 Q. (BY MR. SCHIRRMEISTER) All right, David.
18 We had just finished discussing this slide, page 39 of
19 Exhibit 34. So go on to the next page. And explain
20 here no association in this example of a cohort study.

21 A. Yeah, really the only point out of this
22 slide, because this is the first example where the
23 number of cases in the exposed was similar to the same
24 as the number of cases in the unexposed. And then
25 basically in epidemiological studies, you set up a

1 ratio. So you put this number over this number. And
2 the math is not that important; but if that ratio is
3 1, that means that the top number and the bottom
4 number are the same, that means that the number of
5 cases in the exposed was the same as the number of
6 case in the unexposed.

7 So by definition, if you see a relative
8 risk, however that's defined, but that's a ratio
9 that's describing the risk of getting this disease
10 being exposed to whatever it is of 1.0, then there's
11 no association.

12 Q. Okay. So if when we -- when the jury
13 looks later at different epidemiology studies, if they
14 see 1, there's no association?

15 A. If they see 1.0, that means that in the
16 exposed group, they saw the same number of cases --
17 exactly the same number of cases as they saw in the
18 unexposed group.

19 Q. Okay. Next slide is cohort studies with
20 a positive association. Explain that, please, David.

21 A. Right. So it's the same -- it's a ratio
22 of how many you saw in the exposed, in this case 8,
23 versus how many you saw in the unexposed, in this case
24 2; but you set it up as a ratio. Doesn't matter how
25 we got that. But in this case, the ratio is 4.0. So

1 that means that it was four times more likely in the
2 exposed to find people with the disease than you would
3 find in the unexposed.

4 So that would suggest that there might be
5 a relationship between whatever this chemical was and
6 this disease. So this is a positive association 4.0.

7 Q. And is that, for example, smokers more
8 likely to get lung cancer than nonsmokers?

9 A. I think in that case when it's lung
10 cancers and cigarette smoking, this relative risk is,
11 like, 20 or 30. It's very high.

12 Q. But that speaks to a positive
13 association?

14 A. That's correct.

15 Q. Okay. And then the next is cohort
16 studies, no association in this example.

17 A. Right. This is the third case where you
18 actually see fewer number of cases in the exposed
19 compared to the unexposed. And so the ratio is going
20 to be less than 1.

21 In this case, the ratio was .25, which
22 means that you have a reduced chance of finding people
23 with the disease associated with the exposure than you
24 have in the control group.

25 Q. Okay.

1 A. So this would not indicate an association
2 between exposure and disease, and could be used to
3 support the position that these exposures actually
4 protected the individuals from developing that
5 disease, like we talked about with cardiovascular and
6 aspirin.

7 MR. SCHIRRMEISTER: Okay. Offer 34.

8 Q. (BY MR. SCHIRRMEISTER) David, I'm going
9 to hand you what's been marked as Exhibit 35, which is
10 a two-page slide called Case Control Studies.

11 Now, how is a case control study
12 different from a cohort study?

13 A. In a case control study, you basically --
14 and you use these if the disease is relatively rare.
15 And there are other characteristics of these types of
16 studies. But basically, you get a group of
17 individuals that have the disease that you're
18 interested in.

19 So you look at a bunch of people that
20 have kidney cancer and you call those the cases. And
21 then you get a group of individuals that you call the
22 controls, and these individuals should be as close to
23 these people as possible, with the exception that they
24 don't have kidney cancer.

25 So they are the same age, same gender,

1 ethnicity, occupation, all of those things are going
2 to be the same, except that they do not have -- they
3 do not have kidney cancer. And then through one
4 mechanism or another, you ask these people on
5 interview, questionnaires, other methodologies -- you
6 ask these people, Were you exposed to this chemical?
7 And you ask these people, Were you exposed to this
8 chemical? And then you look at the ratio of how many
9 of these people that said, Yes, I use that, or, Yes, I
10 worked with that, compared to this. So that is a case
11 control study.

12 Q. Okay. And then this is a -- a slide that
13 demonstrates a 2.5-fold association in a case control
14 study. Would you explain this, David.

15 A. Right. Really, it's the same -- the
16 ratio is the same. So this ratio is how many people
17 responded yes, Yes, we were exposed in the -- in the
18 cases versus how many people responded yes in the
19 controls. And you set it up as a ratio just like you
20 do with the cohort studies.

21 So in this case, the odds ratio is 2.5.
22 An odds ratios are what you're calculating for case
23 control studies. So this would suggest that there is
24 an association. There is a 2.5-fold association in
25 this example. This could be 1. And if it's 1.0, then

1 in this case control study, the same number of cases
2 as the number of controls said, Yes, we were exposed
3 to this or, Yes, we did this. And it can be less than
4 1.

5 MR. SCHIRRMEISTER: Okay. I'm going to
6 offer 35.

7 Q. (BY MR. SCHIRRMEISTER) And hand what you
8 has been mark as 36. This is Relative Risk and
9 explaining the 1.0.

10 A. Okay. So on both cohort and case
11 control, which we've just gone through, associations
12 between exposure and diseases are measured by relative
13 risk. And this relative risk can be called an odds
14 ratio, it can be called a standardized mortality
15 ratio. I mean, there's all kinds of ways, depending
16 on specific that you're looking at. But essentially
17 it's what we've been talking about. If it's 1.0, then
18 that means that the controls and the exposed had the
19 same probability or risk of developing the disease.

20 If it's greater than 1, then there were
21 more people in the exposed that had the disease than
22 than the unexposed, so that would suggest there might
23 be an association. If it's less than 1, then there
24 are fewer people in the exposed than you saw in the
25 unexposed. And that suggests that there might be a

1 protective effect from that. So this is really just
2 kind an overview of what we've been talking about.

3 MR. SCHIRRMEISTER: Okay. Offer 36.

4 Q. (BY MR. SCHIRRMEISTER) David, I'm going
5 to hand you 37, which goes on to a slightly different
6 topic, a two-page slide called Evaluating The Role Of
7 Chance.

8 Now, the first there -- would you just
9 read that to the jury and explain the P-value and the
10 95 percent confidence interval and explain how that is
11 used in epidemiology studies, both cohort studies and
12 case control studies.

13 A. Right. There's really no difference
14 between those. All you really need to know about this
15 slide is that when you're conducting an epidemiology
16 study, whether it's a cohort or a case control, it's
17 not a very precise science, and there is uncertainty
18 about your answer. There is variability around that
19 answer. And what you need to do, because of that
20 variability, is you want to -- to the best of your
21 ability scientifically, you want to be able to say
22 this is a real certification and not due to just
23 random chance. Not due to the fact that, you know, we
24 just flipped a coin, and it ended up heads six times
25 in a row. Okay. That there really is something going

1 on.

2 These two measures are ways that
3 epidemiologists mathematically -- it allows them to
4 account for the role that chance can play in their
5 studies, the P-value and the 95 percent confidence
6 interval. But really all you need to remember --
7 that's fine. All you need to remember is that it's
8 ways that epidemiologists account for the role that
9 chance could play in their findings.

10 MR. SCHIRRMEISTER: Okay. Offer 37.

11 Q. (BY MR. SCHIRRMEISTER) David, I'm going
12 it hand you 38 and 39, which are two studies -- or two
13 slides that speak to confidence intervals.

14 A. Might we go back. We didn't do the P
15 value.

16 Q. I'm sorry.

17 A. So really, we'll just go through it. The
18 P-value is the probability that you could have
19 obtained the data that you got and the association
20 that you calculated from that data by chance alone.

21 Q. Um-hum. All right.

22 A. Okay. So that's what a P-value does. So
23 it tells you what's the probability that we really
24 don't have an association at all but that random
25 chance gave us the data that we're looking at.

1 So if the P-value is .01, then that means
2 that we are 99 percent sure that chance did not give
3 us the answer that the study reports. So that would
4 be a very good study.

5 So we are only 1 percent chance --
6 there's only a 1 percent probability that chance is
7 the reason why we got the results that we got. And by
8 convention, scientifically, we say that of a P-value
9 of .05 or less means that it is statistically
10 significant, which means we are willing to accept --
11 the scientific community is willing to accept, all of
12 us that, the EPA, the FDA with clinical trials, all of
13 us, the scientific community is willing to accept a
14 5 percent chance that -- that just dumb luck is what
15 we're looking at, but we are 95 percent sure that --
16 that -- that, really, we are looking at the real data.

17 Q. Okay. So now on to Exhibit 38 and 39
18 called a confidence interval. The first slide speaks
19 to the president's approval rating or a president's
20 approval rating. And then the second describes a
21 relative risk. So would you explain Exhibit 38,
22 please, David.

23 A. Yeah, a confidence interval is just a
24 descriptor. And a lot of epidemiologists think that
25 this is actually the most important part of the

1 epidemiology data, that the number, the point estimate
2 doesn't tell you merely as much as the 95 percent
3 confidence interval. But what that is is that when
4 you calculate a relative risk, there's variability
5 around that, and you don't know what the exact answer
6 is.

7 So as an example, if they did a
8 president's approval rating and some poll and they
9 would say, yeah, their approval rating is 60 percent.
10 Okay. That's pretty good. But they would also say,
11 We don't know if it's exactly 60 percent. We say it's
12 60 percent, plus or minus 3 percent. So that plus or
13 minus 3 percent is the confidence interval.

14 They say, We don't know that the
15 confidence -- I mean, that the approval rating is
16 actually 60, but we are pretty sure that it's
17 somewhere between 57 and 63. Maybe it's 62, maybe
18 it's 59. We don't know, but we are 95 percent sure --
19 if it's a 95 percent confidence interval, we're
20 95 percent sure that the real answer is from 63 to 57.
21 That's very important in evaluating epidemiology
22 studies.

23 Q. Okay. Now we have the next slide, which
24 is Exhibit 39. And this is the 95 percent confidence
25 interval.

1 A. So this is exactly what we were just
2 describing in the previous study, but it's looking at
3 real epidemiological data.

4 So you pick up a study and you show that
5 the relative risk is 2.0, which means that there were
6 more people in the exposed that had the disease than
7 were in the unexposed. All right. So this ratio was
8 greater than 1. But we don't know that the real
9 answer is 2.0.

10 Okay. All we know is based on the size
11 of the study and the -- and the conduct of the study
12 that we are 95 percent sure that the real answer is
13 within this range. And this range is the 95 percent
14 confidence interval. In this case, it's 0.9 to 4.4.

15 So we don't know if the answer is 2.0, we
16 don't know if the answer is 1.0, we don't know if the
17 answer is 4.0. Okay. And that's really important,
18 because if the answer is 4.0, that's a really
19 different interpretation than if the answer is 1.0.
20 1.0, no association; 4.0, a positive association. So
21 this 95 percent confidence interval really is
22 critically important in evaluating this study.

23 Q. Okay. Now, we did this originally,
24 David, to speak about painter epidemiology studies.

25 MR. SCHIRRMEISTER: Before we do that,

1 offer 38 and 39.

2 Q. (BY MR. SCHIRRMEISTER) I'm going to hand
3 you what has been marked as Exhibit 40. Would you
4 read the title of this study.

5 A. Studies show painters do not have an
6 elevated risk of AML or leukemia.

7 Q. Okay. Now, what have you done here,
8 David?

9 A. This slide just depicts -- and we
10 separated it out, I separated in cohort, because now
11 we know what those are, as opposed to case control
12 studies. These registry based -- this is a different
13 type of epidemiological study design, but it's looking
14 at the same thing. These are all the studies that --
15 that I know of that specifically evaluated the
16 relationship between leukemia and/or AML and
17 occupation of being a painter.

18 Q. Okay.

19 A. And as you can see, there is a -- a lot
20 of studies. This has been looked at from an
21 epidemiological point of view for years.

22 Q. Okay. Now, Dr. Milman, one of
23 Mr. Wolfe's experts, has testified that painters are
24 at an increased risk for developing AML or leukemia.
25 Do you believe the epidemiology literature as set

1 forth on Exhibit 40 supports that?

2 A. Well, anytime you ask this question over
3 and over and over again, just by random chance, you're
4 likely to find some positives. And there are a few
5 positive studies scattered throughout the literature.
6 So if all you were going to do is look through the
7 literature and say, Well, here's a positive study or
8 two, and that's what's going to be the basis of your
9 opinion, you know, then -- then that's basically what
10 you would say. But, you know, a fair interpretation
11 is you've got to look at this overall literature. You
12 can't just pick out the sporadic positives. You have
13 got to look at the collective body of studies. And
14 there's a huge amount of data out there. And
15 collectively, this does not support that painters have
16 an increased risk of developing AML.

17 Q. Okay. How many cohort studies were you
18 able to identify?

19 A. I could find 19. 15 have some kind of
20 positives, so 19 -- I'm sorry, 15 have some -- 4 have
21 some positive finding, 15 are negative. So 15 out of
22 19 were negative. 14 out of 17 for the case controls.
23 Almost all of the registry studies were negative.

24 It's important to point out that simply
25 listing them and then counting up the number of

1 positives and negatives isn't really an appropriate
2 way of evaluating this literature, because these
3 studies are -- some of them are more important than
4 others. Some of them are more powerful, some of them
5 are bigger. And so, really, you -- you can't just,
6 you know, go like this and say, Well, there's more
7 papers on the positive side or the negative side.
8 You've got to look at what these individual studies
9 say.

10 MR. SCHIRRMEISTER: Okay. Offer 40.

11 Q. (BY MR. SCHIRRMEISTER) David, did you go
12 into all of these different studies and select those
13 that you thought were most important?

14 A. The ones that I picked out were the most
15 recent and they were also the largest.

16 Q. Okay.

17 A. So from a size point of view --

18 Q. So --

19 A. -- the bigger the study is, the better
20 able those investigators are going to be able to -- to
21 find a -- a potential association.

22 Q. Okay. I'm going to hand you what has
23 been marked as Exhibits 41 and 42. 41 is this slide.
24 42 is the underlying study. So describe this study.
25 First of all, it's -- what type of study is it?

1 A. Okay. This was an epidemiological study
2 that was conducted by Kyle Steenland and a
3 co-investigator from NIOSH, government-sponsored
4 study. And they were specifically looking at
5 mortality associated with being a painter.

6 Q. Okay.

7 A. And they evaluated the mortality
8 experience of 57,000 painters.

9 Q. Okay. And who -- and who sponsored this
10 study?

11 A. This was NIOSH, the National Institute of
12 Occupational Safety and Health. So this was done on
13 behalf of OSHA.

14 Q. Okay. And you have a -- and how many
15 painters did they look at?

16 A. They looked at 57,000. So this was a
17 really, really big study. And the larger the study
18 is, it allows them to be able to see potential changes
19 that are small. The bigger the study, the better able
20 the investigators to see if there are small increases
21 in risk. And 57,000, that's the biggest one that I --
22 that I think is in existence right now on painters.
23 And they did look specifically at leukemia. And here
24 is their results from that leukemia.

25 Q. Okay. And what is the relative risk that

1 was found?

2 A. Yeah. So in this case, it was
3 standardized mortality ratio, which is their form of a
4 relative risk with the 95 percent confidence interval.

5 So, I mean, that's exactly what we were
6 just talking about. Think found an overall relative
7 risk of leukemia of .92. So that is actually less
8 than 1. So it does not support that there was an
9 association between being a painter and getting AML.
10 The 95 percent confidence interval -- so this is
11 really what we think the real answer is going to be.
12 Somewhere between .78 and 1.1. We don't know what it
13 is, but the overall risk does not suggest there's an
14 increase. The overall study does not suggest there's
15 an increase.

16 Q. Okay. And risk is actually below 1?

17 A. That's right.

18 Q. And the significance of that is?

19 A. Well, the significance is it clearly
20 doesn't support an association. If you have a number
21 that's below 1, then you could say, well, that
22 suggests there's a protective effect. But I don't
23 believe, unless it's statistically significant, you
24 can really rely on that.

25 So here we have one that's 1.3. Well, I

1 don't believe that is meaningfully elevated any more
2 than I believe this is more meaningfully depressed,
3 because you don't have statistical significance
4 associated with those. So while this is less than 1,
5 my interpretation is that just says there's no
6 association.

7 Q. Okay. And when you say no association,
8 no association between what and what?

9 A. No association between being a painter
10 and dying from leukemia, because this is a mortality
11 study.

12 MR. SCHIRRMEISTER: Okay. Offer 41 and
13 42.

14 Q. (BY MR. SCHIRRMEISTER) Okay. The next
15 one, David, is another study. And you selected the
16 second study, correct?

17 A. Yes.

18 Q. Okay. What is this study? Who performed
19 it? Who were the authors? What -- what did it do?

20 A. This was published in 2002. Dr. Brown, I
21 don't -- I don't actually know -- the National Cancer
22 Institute. Yep. So they were from NCI. So that's a
23 federal agency, NCI.

24 Q. And, again, National Cancer Institute
25 being the sponsoring organization?

1 A. Correct. Or where these people worked.
2 And they looked at exposures into painting trades and
3 paint manufacturing industry and risk of cancer among
4 men and women in Sweden.

5 Q. Okay. How many painters?

6 A. And they looked at 49,000 painters. And
7 in this case, they reported an SIR. The previous
8 study was SMR for mortality. So they were looking at
9 death certificates and how many people died. These
10 folks were looking at medical records and how many
11 people got the disease. So this is I for incidents,
12 SIR. But it's a relative risk. Same concept.

13 Q. Okay. And what was the relative risk
14 reported for leukemia and painters?

15 A. In this study, they look at leukemia
16 collectively. They had 115. That's what the N means.
17 That's how many cases they looked although. The SIR
18 or the relative risk was exactly the same as the other
19 one, .9, a little bit below 1. The 95 percent
20 confidence interval was .8 to 1.1.

21 Q. Okay.

22 A. So this very large study from the NCI
23 does not support that being a painter increases your
24 risk of developing leukemia.

25 MR. SCHIRMEISTER: Okay. Offer 43 and

1 44.

2 Q. (BY MR. SCHIRRMEISTER) Okay. David, I'm
3 going to now point you to Exhibit 45 and 46. Would
4 you identify that for us, please.

5 A. Another recent study, 2002. This was a
6 registry-based study, Association Of -- Swiss Cancer
7 Registries.

8 Q. Okay.

9 A. Another really large one.

10 Q. How many painters?

11 A. 28 -- excuse me, 58,000 painters. And
12 they were looking at -- so this is kind of a case
13 control, which is why you have odds ratios. And this
14 043 at the beginning of the study, they listed all of
15 the various occupations and assigned a numerical code
16 to those occupations. And on 043 was the painter
17 occupation.

18 Q. Okay.

19 A. That's not on the slide. You just have
20 to take my word for it; but for the painters, for
21 leukemia, the odds ratio was .7. So that's below 1.
22 Here's the 95 percent confidence interval. And then
23 for myeloid leukemia -- which is a little more
24 relevant, because it includes chronic myelogenous, as
25 well as acute myelogenous, but the relative risks

1 really don't change -- it's 0.6, and the 95 percent
2 confidence interval is .6 to 1.2.

3 Q. Okay. And in each case, is the odds
4 ratio below 1?

5 A. In these cases, they are below 1; but
6 since it's not statistically significant to me, that
7 really just says, in this study, they did not see an
8 association or any hint of an association between
9 being a painter and developing either leukemia or
10 myeloid leukemia.

11 Q. Okay. So if we were to add the painters
12 together in all three studies, we would have
13 approximately how many painters?

14 A. I don't know, 150,000.

15 Q. Okay.

16 A. 160,000.

17 Q. Okay. And in those three studies, did
18 any of the authors find that increased risk between
19 being a painter and developing leukemia?

20 A. There were no increased risks of any of
21 those three which were relatively recent large, large
22 epidemiological studies, no.

23 Q. Okay. And do you believe that being a
24 painter puts you occupationally at an increased risk
25 for developing leukemia?

1 A. The only way you can answer that kind of
2 question is by looking collectively at the
3 epidemiology that investigates that question. And
4 that's what we've been doing.

5 These three largest, but as well as the
6 overall list that we showed earlier and collectively,
7 no, this literature does not support that painters, as
8 an occupational group, have an increased risk of
9 developing leukemia.

10 MR. SCHIRRMEISTER: Okay. Offer 45 and
11 46.

12 Q. (BY MR. SCHIRRMEISTER) Okay. Now,
13 David, we're going to go on to our final area here.
14 Would you read that, please.

15 A. "The best available scientific literature
16 does not support a link between formaldehyde and acute
17 myeloid leukemia or acute promyelocytic leukemia."

18 Q. Okay. Now, I'm going to hand you what
19 has been marked as Exhibit 47. Did you prepare a
20 supplemental report in this case regarding
21 formaldehyde and --

22 A. I did.

23 Q. -- AML or APL?

24 A. I did.

25 Q. Okay. And is Exhibit 47 a

1 true-and-correct copy of your supplemental report
2 dated July 9, 2009?

3 A. It looks to be. I don't have any reason
4 to think you would have changed it.

5 Q. No.

6 A. Looks right, yes.

7 Q. Okay.

8 MR. SCHIRRMEISTER: Offer 49. Excuse me,
9 was that 47?

10 THE DEPONENT: It is 47.

11 MR. SCHIRRMEISTER: Okay. Offer 47.

12 MR. GIANARIS: Same objection.

13 Q. (BY MR. SCHIRRMEISTER) Okay. And just
14 so we're clear, your -- Exhibit 47 is a
15 true-and-correct copy of your report of your report --
16 of your supplemental report dated July 9, 2009?

17 A. Correct.

18 MR. SCHIRRMEISTER: Okay. Offer 47.

19 Q. (BY MR. SCHIRRMEISTER) Okay. Now, what
20 did you do, David, to address the question of whether
21 formaldehyde exposure places folks at an increased
22 risk for developing leukemia or AML?

23 A. Well, really, in the report, I just
24 summarized the literature. I -- I have done some work
25 over the last two or three years interactive with EPA,

1 worked with some formaldehyde industries that use
2 formaldehyde on the biological basis and whether or
3 not the epidemiological literature supports that
4 formaldehyde is a -- a cause of human leukemia.

5 So I knew what the literature was
6 addressing this issue. So, really, all I did in my
7 report was describe it in a way that I hoped was
8 understandable.

9 Q. Okay. And did you select studies that
10 you believed best addressed -- best addressed this
11 question?

12 A. Yes. There's a lot of old literature
13 that -- that people talk about. And I've read them
14 all. But these are looking at workers that are
15 embalmers, funeral directors, pathologists. And while
16 they were exposed to formaldehyde, they were also
17 exposed to lots of other things. And so there are
18 some really small epidemiological studies that
19 evaluated the leukemia risk in those groups. But then
20 more recently, there have been really large cohort
21 studies of workers who were exposed to formaldehyde in
22 which they actually measured formaldehyde.

23 So there was a quantification of the
24 exposures and not just, yes, he was an embalmer, we
25 think he was exposed to formaldehyde. They have

1 actually done -- done the air monitoring and the
2 exposures. And so that's what I made some slides of,
3 those three big modern studies.

4 Q. Okay. And tell us what the first study
5 is, David. I'm going to -- I'm going to hand you --
6 this is -- Exhibit 48 is the slide and Exhibit 49 is
7 the underlying study.

8 A. Right. So Dr. Pinkleton -- Pinkerton
9 worked for NIOSH, so did this out of NIOSH, and looked
10 at garment workers exposed to formaldehyde in an
11 update. So this was the most recent. I think there
12 were three -- two -- two prior to that. But in this
13 case, they specifically addressed the question in the
14 overall cohort, was there a risk associated with
15 myeloid leukemia. In this case, acute myeloid
16 leukemia, so -- I mean, they didn't do acute
17 promyelocytic leukemia, but this is as close as we
18 get.

19 And in that case, there was a slight
20 increase in the SMR of 1.34. But it was not
21 statistically significant, so my interpretation of
22 that is that it's -- there's -- there's -- this study
23 does not provide any evidence that being exposed to
24 formaldehyde as a garment worker increases a person's
25 risk of developing AML.

1 MR. SCHIRRMEISTER: Okay. Offer 48 and
2 49.

3 Q. (BY MR. SCHIRRMEISTER) David, I'm going
4 to hand you what has been marked as Exhibit 50 and 51.
5 The slide and the underlying Coggon study.

6 A. Right. I think Dr. Coggon was from the
7 National --

8 MR. GIANARIS: I got to object. No
9 question pending.

10 THE DEPONENT: Oh, sorry.

11 Q. (BY MR. SCHIRRMEISTER) Who was
12 Dr. Coggon?

13 A. I don't know him, but he apparently was
14 working with the Medical Research Council in England.
15 And he published this paper, "Extended follow-up of a
16 cohort of British chemical workers that were exposed
17 to formaldehyde."

18 Q. Okay. And -- and did -- are there tables
19 contained within that study that measure --

20 THE REPORTER: I'm sorry. Can we go off
21 the record.

22 THE VIDEOGRAPHER: Going off the record.
23 The time is 12:40.

24 (Recess taken, 12:40 p.m. to 12:42 p.m.)

25 THE VIDEOGRAPHER: We are back on the

1 record. The time is 12:42.

2 Q. (BY MR. SCHIRMEISTER) Okay. David,
3 I've handed you what has been marked as Exhibits 50,
4 which is the slide pertaining to the Coggon 2003
5 study, and then 51, the underlying study. Tell us who
6 Dr. Coggon is and what his study was about.

7 A. I -- I don't know Dr. Coggon, but he
8 apparently, based on the affiliations, works for the
9 Medical Research Council, which is a federal agency in
10 the UK. That's my understanding. But he did a
11 follow-up of a large cohort of British chemical
12 workers that were exposed to formaldehyde.

13 Q. And was -- are there tables there
14 examining the relative risk of developing leukemia
15 following exposure to formaldehyde?

16 A. Yes.

17 Q. Okay. And there are -- just explain the
18 tables there, the three relative risks there.

19 A. What he did was looked at leukemia based
20 on these ICD codes, the International Classification
21 of Diseases, and did it based on the total cohort.
22 And then when -- segregated out certain segments of
23 the cohort. But in all cases -- and we put them up
24 here so that you could see the numbers a little
25 clearer. So this is the relative risk and this is the

1 95 percent confidence interval. And no matter how you
2 cut out the workers based on where and when, the
3 overall cohort was 0.91. So is less than 1. There is
4 no association with being a formaldehyde worker and
5 leukemia. And there's the 95 percent confidence
6 interval. Same -- basically the same all the way
7 across.

8 Q. Okay. And what is the significance of
9 the study with regard to Mr. Wolfe's claim that
10 exposure to formaldehyde caused him to develop
11 leukemia?

12 A. This study does not support the -- the
13 notion that even people that are working with a lot of
14 formaldehyde in the chemical industry have an
15 increased risk of developing leukemia.

16 MR. SCHIRRMEISTER: Okay. Offer 50 and
17 51.

18 Q. (BY MR. SCHIRRMEISTER) And, David, I'm
19 going to hand you what has been marked as 52 and 53.
20 52 is the slide. 53 is Dr. Beane Freeman's underlying
21 study. Who was Dr. Beane Freeman and what's the name
22 of -- well, what is her study about and who performed
23 it?

24 A. Dr. Beane Freeman is a researcher with
25 the National Cancer Institute. I don't know her. I

1 know some of the other authors. This was -- this was
2 published just this year. And it was their update of
3 the NCI cohort that they have been following now for
4 -- well, since '86, I think, was the first one. And
5 it was looking at the mortality from a
6 lymphohematopoietic malignancy. That's all of them.
7 That's lymphomas, multiple myelomas, Hodgkin's
8 lymphoma, all of the different kinds of leukemias. So
9 malignancies in workers in the formaldehyde industry.
10 So this is NCI's cohort.

11 Q. Okay. And what was the result of folks
12 who were exposed to formaldehyde? Did they have an
13 increased risk of developing leukemia?

14 A. This table was the overall workers in
15 this cohort. And the ones that were exposed to
16 formaldehyde, based on myeloid leukemia, so it's not
17 AML, but it at least segregates out the lymphoid
18 leukemias and the myelomas and the others. And as you
19 can see, the SMR, the Standardized Mortality Ratio,
20 relative risk, and the 95 percent confidence interval
21 is right here in the exposed group. And it does not
22 indicate that there was an association between myeloid
23 leukemia and working as a formaldehyde worker.

24 Q. Okay. And why did you select these three
25 studies to --

1 A. These three, the last three, these are
2 the most important in the formaldehyde world, because
3 these have large numbers of workers and they have
4 quantification of the formaldehyde exposures.

5 So the older studies looking at embalmers
6 and pathologists, no one really knows what those
7 people were exposed to. So if you see an increased
8 risk or a decreased risk, it's specula -- it's
9 speculative to say, well, we think that's because of
10 formaldehyde or we think it's because it's some other
11 people, or whatever. You don't know. At least here
12 in these studies, they have gone in and quantitated
13 the formaldehyde exposures.

14 Q. Okay. So I'd like to go back to our
15 summary slide, David. So, again, opinion No. 1, would
16 you read that into the -- read that to the jury and
17 summarize your testimony.

18 A. That DuPont and PPG paint solvents do not
19 cause cancer or leukemia. So we discussed toluene and
20 xylene and the petroleum distillates, all the various
21 regulatory agencies that have evaluated those
22 chemicals. Those -- those toluene and xylenes all
23 have small amounts of benzene naturally occurring.
24 They always have. And so when these regulatory
25 agencies evaluate these chemicals and say they do not

1 cause cancer, they are basing that on the fact that it
2 has a little bit of benzene in there.

3 Q. Okay. And No. 2?

4 A. That APL, acute promyelocytic leukemia is
5 not just another subtype of AML, it is a -- a clearly
6 distinct clinical entity. It's treated differently.
7 It's diagnosed differently. The prognosis is
8 different. It's treated different. The cell of
9 origin is probably different. And as we discussed,
10 epidemiologically it appears that APL is a different
11 thing than AML. It behaves differently in these
12 epidemiological studies.

13 Q. Okay. Point No. 3?

14 A. That the best available scientific
15 literature does not support a link between benzene and
16 APL. So ignoring the fact that Mr. Wolfe really
17 wasn't ever using benzene, but was using solvents and
18 other things, but what -- what does the literature
19 tell us about people who were using benzene or that
20 had solvents -- used solvents with benzene in them and
21 APL. And that literature does not support that
22 benzene really causes this -- this disease.

23 Q. Okay. No. 4?

24 A. This was the quantification that
25 Mr. Wolfe was not exposed to enough benzene to develop

1 any form of leukemia.

2 Q. And does that include your discussion of
3 dose response?

4 A. That's right. So this was the dose
5 response and the quantitative epidemiology, who has
6 published papers and said benzene causes leukemia,
7 AML. We can all agree on that, but it takes a certain
8 amount. And that it takes a certain amount is what
9 that quantitative epi was important in understanding.
10 What is that line? How much does it take before we
11 would start saying, yes, that -- that's a reasonable
12 assumption that that exposure could have caused that
13 disease?

14 Q. Okay. And -- and you're familiar with
15 Mr. Russell's exposure estimate for Mr. Wolfe?

16 A. Mr. Russell's and Mr. Keller's for PPG.
17 And I've read all of them, yes.

18 Q. Okay. And do they approach the levels
19 that have been found to cause an increased risk in
20 disease?

21 A. They do not. As depicted on that slide.
22 And even if you don't agree and think that it's going
23 to be ten times more than what Mr. Russell potentially
24 estimated, it's still nowhere near enough to get to
25 where there's an meaningful increased risk of

1 leukemia.

2 Q. And you disagree -- or do you disagree
3 with Dr. Milman's opinion that dose is irrelevant?

4 A. I cannot understand the basis for that
5 statement. So, yes, I completely disagree with that.

6 Q. What is No. -- opinion No. 5?

7 A. That was the "Painters as an occupational
8 group collectively are not at an increased risk of
9 developing leukemia."

10 So the 40 or some studies that we talked
11 about, we chose the three largest and most recent; but
12 overall, that literature does not support that being
13 say painter, in and itself, increases your risk of
14 developing leukemia.

15 Q. Okay. And finally No. 6?

16 A. That even pretty high exposures to
17 formaldehyde -- you can't be exposed to really high
18 levels of formaldehyde, because it's so irritating.
19 So the exposures are relatively low even in these
20 industries where they are using formaldehyde. But
21 when they have quantitated and actually gone in and
22 said, Okay, these people were exposed to formaldehyde,
23 you do not see an increase in leukemia or AML.

24 There's no data to say whether you see an
25 increase in APL. There's certainly no information

1 that would suggest that you would.

2 Q. Okay. And you've done this work in this
3 case so far and appeared today on behalf of my client,
4 DuPont, and on behalf of Mr. Miller's client, PPG,
5 correct?

6 A. Correct. That's -- that's who I'm here
7 today on behalf of, yes.

8 Q. Okay. And what's your rate that you
9 charge us for the work that you've done in the case?

10 A. My hourly rate is \$300 an hour --

11 Q. Okay.

12 A. -- for this kind of work.

13 Q. And you've been paid for your work that
14 you've done so far today?

15 A. I -- I've been paid some, yes.

16 Q. Okay.

17 A. Yes.

18 Q. All right. And, again, you're not
19 going --

20 A. We're not even, if that's what you're
21 implying.

22 Q. No, I'm not -- I'm not, sir. I'm not,
23 sir. And again you won't -- the jury will see you by
24 videotape, correct?

25 A. I guess.

1 Q. Right. Okay. And that's because you
2 have a long planned trip that requires you to be out
3 of the country?

4 A. Yes. I would like to be in North
5 Carolina, but I'll be in Nepal instead.

6 Q. Right.

7 MR. SCHIRRMEISTER: Okay. Finally, as a
8 matter of housekeeping, I want to offer 52 and 53. I
9 believe I've offered all Exhibits 1 through 53, but if
10 I have missed one in the course of my questioning,
11 David, I will just reoffer all Exhibits 1 through 53
12 and pass the witness. Thank you for your time, sir.

13 THE DEPONENT: You're welcome.

14 MR. GIANARIS: Renew all of my objections
15 and the standing objection to the admission of these
16 exhibits that were created for this trial.

17 THE DEPONENT: Could we take a
18 five-minute. Thanks.

19 THE VIDEOGRAPHER: Going off the record.
20 The time is 12:53.

21 (Recess taken, 12:53 p.m to 1:05 p.m.)

22 (The following testimony was on the
23 record, but not on the videotape record.)

24 MR. GIANARIS: For the record, this is a
25 voir dire of the witness outside of the presence of

1 the jury on the issue of some testimony regarding the
2 State of North Carolina.

3 VOIR DIRE EXAMINATION

4 BY MR. GIANARIS:

5 Q. Sir, for this part of the deposition and
6 for the remainder of my portion of the deposition, can
7 we agree, like you agreed with Mr. Schirrmeister, that
8 any opinions you give will be to the same legal and
9 scientific scrutiny that you agreed with
10 Mr. Schirrmeister on?

11 A. The standard? Sure.

12 Q. The standard.

13 A. Yes.

14 Q. Yes. Okay. Are you familiar with the
15 benzene regulations or regulations as to benzene
16 within the state of North Carolina?

17 A. No.

18 Q. Okay. Have you studied them in any way?

19 A. State of North Carolina?

20 Q. Yes.

21 A. I've never done any work for the State of
22 North Carolina.

23 Q. And preparing for this case and preparing
24 for the testimony you just gave on direct examination,
25 you didn't study the regulation as they related to

1 benzene within the state of North Carolina?

2 A. No.

3 Q. You didn't study what North Carolina said
4 or hasn't said about what different products can cause
5 cancer or not, have you?

6 A. No.

7 Q. And you don't know -- you're assuming,
8 but you don't know, what national organizations or
9 federal governmental bodies that do regulate these
10 topics or have spoken on these topics -- you don't
11 know which ones North Carolina has adopted or not
12 adopted, do you?

13 A. I can't specifically address each one.
14 My understanding -- and they had it on -- I think it
15 was the North Carolina Department of Labor -- on their
16 web site. My read of that was that they are deferring
17 to OSHA and ACGIH and EPA and all of the ones that are
18 listed. And they are -- they are adopting -- they are
19 not doing their same -- they are not conducting their
20 own epidemiological evaluation. That's -- that's my
21 understanding.

22 Q. Right. You don't know -- you have no
23 information that North Carolina has ever conducted
24 their own epidemiological investigations on these
25 topics?

1 A. I do not know that, no.

2 Q. And you've been to the web site for the
3 Department of Labor in North Carolina, and you see
4 that they have on their web site some other
5 organizations' epidemiological studies; is that right?

6 A. Right. That they -- my understanding was
7 that they are deferring to these national groups for
8 their own position, but I -- only that one page.

9 Q. You just read one page?

10 A. Yeah.

11 Q. Okay. All right. That's enough, thanks.

12 VOIR DIRE EXAMINATION

13 BY MR. SCHIRRMEISTER:

14 Q. Just take a question. So, David, your
15 testimony is that with regard to the standards --
16 workplace standards followed by the state of North
17 Carolina, you examined the North Carolina Department
18 of Labor web site, which stated that it incorporated
19 the standards set by several federal or private
20 agencies; is that correct?

21 A. That's my understanding of what they did,
22 correct.

23 Q. Okay. As opposed to the North Carolina
24 Department of Labor going out and independently doing
25 its own studies?

1 A. That's -- that's exactly right, yes.

2 Q. Their web -- the North Carolina
3 Department of Labor web site indicates that they
4 incorporated the standards of the federal government,
5 which, in turn, did those standards or evaluations?

6 A. And did those studies, that's my
7 understanding, yes.

8 Q. Okay. And that forms the basis for your
9 testimony in which you describe the position of North
10 Carolina with regard to whether toluene, xylene, and
11 petroleum distillates with naturally occurring trace
12 benzene are capable of causing cancer or leukemia?

13 A. Correct.

14 VOIR DIRE EXAMINATION

15 BY MR. GIANARIS:

16 Q. Just so we're clear, can you tell me how
17 you got that web site, where that web site is, or if
18 you printed that page off?

19 A. You know, I did have it, and I didn't
20 bring it with me. It actually came from
21 Mr. Schirrmeister's office.

22 Q. Okay. So -- that's good to know. This
23 page of the web site was printed off and sent to you
24 by the lawyers?

25 A. It wasn't sent to me. It was present in

1 a meeting that I attended.

2 Q. Okay. So you attended a meeting with the
3 lawyers in this web -- and this page from the web site
4 had been printed off?

5 A. That's right.

6 Q. You've never even been to that web site?

7 A. We did look at it in that meeting. So I
8 did go to it then, yes.

9 Q. That particular page?

10 A. Yeah.

11 Q. Okay. And you're your original answer to
12 my question -- I think your answer to
13 Mr. Schirrmeister -- or Mr. Schirrmeister's question
14 was a little different than to my question. You said
15 that it's your understanding that that's what North
16 Carolina has done and deferred. I think he asked, Is
17 that what you read? He asked you, Did you read that
18 they deferred? It doesn't say on that page that they
19 deferred to other organizations, did it?

20 A. I don't remember the exact language. It
21 was just my understanding that they had deferred to
22 other federal agencies. And that's what they are
23 basing their position on.

24 MR. GIANARIS: Okay. All right. That's
25 all I have. I just ask that that page be produced to

1 me so we can have it for the judge when we go over
2 that issue.

3 MR. SCHIRRMEISTER: I'll be happy to do
4 that. Please send me a letter.

5 MR. GIANARIS: I might have to write on
6 my hand.

7 MR. SCHIRRMEISTER: We'll have it in the
8 transcript. It won't be a problem. The North
9 Carolina Department of Labor web site. I can give you
10 a piece of paper.

11 MR. GIANARIS: This is better. I won't
12 lose it.

13 MS. MANELA: Hopefully not.

14 MR. GIANARIS: Ready when you all are.

15 THE VIDEOGRAPHER: Okay. One moment,
16 please. I've finished with the voir dire.

17 (The following testimony was on the
18 record and on the videotape record.)

19 THE VIDEOGRAPHER: We are back on the
20 record. The time is 1:12.

21 CROSS-EXAMINATION

22 BY MR. GIANARIS:

23 Q. Good morning, Dr. Pyatt -- or afternoon
24 now.

25 A. Afternoon.

1 Q. How are you?

2 A. I'm good, thank you.

3 Q. Just so the jury can reorient now and
4 understand what we're doing. This is Ted Gianaris,
5 and I'm one of the lawyers along with Bo Drew for the
6 Wolfe family. You understand that?

7 A. Yes.

8 Q. Okay. And we're here in your home town
9 in Boulder, Colorado?

10 A. Yes.

11 Q. And we're at the St. Julien Hotel and
12 Spa; is that right?

13 A. I think they do have a spa here, yes.

14 Q. That's what the pens I think and the note
15 pads in front of us say?

16 A. Yeah.

17 Q. This is -- this is where you give
18 depositions; is that right?

19 A. I've given depositions here. It's not
20 the only place, but, yes, I have used these facilities
21 before.

22 Q. Okay. And you've given plenty of
23 depositions in your time as an expert witness; is that
24 right?

25 A. I -- I don't know what plenty means. I

1 -- I think at this point I've given 17.

2 Q. 17 depositions total?

3 A. Yes.

4 Q. Okay. And in all of those depositions,
5 you get paid just like you're getting paid here today,
6 right?

7 A. I -- in all of the work I do as a
8 toxicologist, I get paid for my time.

9 Q. Right. And you told the ladies and
10 gentlemen of the jury earlier that you took a vow of
11 poverty when you went to graduate school, right?

12 A. Yes.

13 Q. Okay.

14 A. It's kind of a joke among graduate
15 students, but, yes.

16 Q. I understand. And -- but you've sworn
17 off of that vow of poverty now, and now you have a
18 business of your own, a toxicology business?

19 A. I have a full-time job, yes.

20 Q. Okay. And you're getting paid \$300 an
21 hour to testify here and do your work in this case?

22 A. That's correct.

23 Q. Okay. And that's being paid at this
24 point by DuPont and PPG?

25 A. At this point, I think that's right, yes.

1 Q. Okay. You've -- when you've worked on
2 cases in the past, it's been more than 17 cases, but
3 you've actually testified in deposition in 17 cases,
4 right?

5 A. That's true.

6 Q. Okay. Every case you've ever looked at
7 was a benzene case where a person, a worker mostly
8 claimed that they had been exposed to benzene,
9 breathed benzene on their job, and developed a -- some
10 form of blood disease; is that right?

11 A. That -- yes, that -- those are all the
12 cases that I've worked on, yes.

13 Q. And in every one of those cases, there's
14 been a medical doctor who has said, on behalf of the
15 worker that, yes, this benzene exposure caused this
16 man's blood disease, right?

17 MR. SCHIRRMEISTER: Object to the
18 residential?

19 A. That is -- that's incorrect.

20 Q. (BY MR. GIANARIS) That's incorrect?

21 A. Yes.

22 Q. In most of them, has it?

23 A. No.

24 MR. SCHIRRMEISTER: Object to the form.

25 A. Very rarely. As a matter of fact, I can

1 only think of, maybe, one or two that a treating
2 physician associated with the patient said, I think
3 this person's exposure had anything to do with their
4 work.

5 Q. (BY MR. GIANARIS) Right. That wasn't my
6 question. I said there was a medical doctor on the
7 other side of the case, just like you --

8 A. Oh, oh, oh.

9 Q. -- on this case?

10 A. I'm sorry.

11 Q. You've been hired in this case -- first
12 of all, you're not a medical doctor, right?

13 A. I am not. I have a Ph.D.

14 Q. Ph.D. In science -- in type of science,
15 in toxicology?

16 A. Correct.

17 Q. Okay. And what I'm saying is the claims
18 that have been brought by these workers, more than 17
19 of them -- you've given 17 depositions, but more than
20 17 claims that you've worked on, there's been a
21 medical doctor on the other side, a trained medical
22 doctor who said, yes, that benzene exposure caused
23 that man's or that worker's blood disease?

24 MR. SCHIRMEISTER: Object to the form.

25 A. Yeah. I'm sorry. I don't -- I mean,

1 there have been some cases where that's true for sure.
2 I don't know whether it's the majority. There have
3 been some cases where there wasn't an M.D. associated,
4 there was other toxicologists and epidemiologists.
5 But there have been some for sure.

6 Q. (BY MR. GIANARIS) Okay. Or a scientist
7 like a toxicologist or an epidemiologist?

8 A. Well, now, there's always that.

9 Q. Yeah.

10 A. That's always true. It was the M.D. part
11 that threw me off.

12 Q. Okay.

13 A. That's not always the case.

14 Q. Okay. And in every one of those 17
15 cases, you've worked for the defense; is that right?
16 Or more than 17 cases you worked for the defense?

17 A. At this point, that's true.

18 Q. Okay. And in every one of those cases,
19 you found no cause, right?

20 A. That's not true. In every one of the 17
21 cases that I've given depositions in, it was my
22 opinion that either the disease was not linked to
23 benzene exposure, that the disease characteristics
24 weren't consistent with our understanding of what a
25 benzene-exposed person would look like or,

1 alternatively, that the person was not exposed to
2 enough benzene to meaningfully increase their risks.
3 But that's not true for -- for all cases. I mean, I
4 have seen some cases where I was unable to
5 realistically say that I can -- let's put this way.
6 There were some cases that I was not able to say I
7 don't know whether the benzene had any effect or not.

8 Q. And in those cases, the defendants or it
9 is defendants' lawyers didn't use you as an expert,
10 right?

11 A. They didn't use me past that point,
12 that's true.

13 Q. Okay.

14 A. I don't think those cases went much
15 further.

16 Q. Okay. And you're -- you're a -- you're a
17 scientist who specializes his -- his toxicology or
18 focuses his toxicology on diseases of the blood,
19 correct?

20 A. That's true, yes.

21 Q. Okay. What's that called again?

22 A. Well, hematotoxicity, hematopoietic
23 toxicology, immunotoxicology. That's probably the
24 biggest category.

25 If you look at SOT, the Society of

1 Toxicology, and you look at all of the various
2 subspecialties, hematotoxicity there's maybe one me.
3 But immunotoxicity, there's going to be several. So
4 those are the categories.

5 Q. But there's a lot of doctors who study
6 these diseases of blood. In fact, you said you just
7 went over to Germany, and there was a big group of you
8 all got together and talked about the sciences; is
9 that right?

10 A. That's correct. And by doctor, of all --
11 all denominations.

12 Q. Right. So kind of an ecumenical group.

13 A. Well, there was M.D.s, there were Ph.D.s,
14 you know, so -- so there were -- there were both.

15 Q. Okay. The stem cell, that is the basic
16 building block of the blood; is that right?

17 A. I think that's a fair assessment. It is
18 the most primitive cell that, to the best of our
19 ability, we believe gives rise to all the other blood
20 cells.

21 Q. And you told us earlier -- when
22 Mr. Schirrmeister asked you a question about the
23 definition of stem cells, you were kind of taken aback
24 and you were thinking about it, and you told us that
25 blood -- the scientists who study blood, when they get

1 together, they have passionate arguments over the
2 definition of a stem cell; isn't that right?

3 A. They do indeed.

4 Q. They do. But then you told us -- you
5 said but it's really irrelevant, didn't you?

6 A. Well, what I meant by that -- I mean,
7 they certainly would not think it's irrelevant and
8 then they would be passionately arguing with me over
9 that comment. What I meant is it wasn't that
10 important to our slide.

11 Q. Oh, okay.

12 A. To what we were discussing today in this
13 -- in this case.

14 Q. Okay. Let's look for a minute at
15 slide -- page 7, if we could.

16 MR. GIANARIS: We can go off for a
17 second.

18 THE VIDEOGRAPHER: Going off the record.
19 The time is 1:19.

20 (Recess taken, 1:19 p.m. to 1:20 p.m.)

21 THE VIDEOGRAPHER: We are back on the
22 record. Time is 1:20.

23 Q. (BY MR. GIANARIS) Okay. We put up what
24 is page No. 7 -- I think it was Defendants'
25 Exhibit 4 -- for a moment.

1 Now, I want to talk to you about these
2 categories for a minute: Toluene, xylene, and
3 petroleum distillates. Let's start with toluene. The
4 -- the toluene in DuPont and PPG's paints and solvents
5 is contaminated with benzene, isn't it?

6 MR. SCHIRRMEISTER: Object to the form.

7 A. Well, I think even -- even today, if you
8 hydro-treat toluene, which is a really sophisticated
9 process, you cannot get all of the benzene out. So
10 there is going to be some benzene that is part of that
11 toluene. So there's -- there's always going to be a
12 little benzene in toluene.

13 Q. (BY MR. GIANARIS) So DuPont and PPG's
14 toluene is contaminated with benzene?

15 MR. SCHIRRMEISTER: Object to the form.

16 A. It has some naturally occurring benzene
17 in it.

18 Q. (BY MR. GIANARIS) You don't like the
19 word contaminated? You're using naturally occurring
20 rather than contaminated?

21 A. Well, I think it's the same.

22 Q. Okay. It's the same. I thought so.
23 Okay. Xylene in DuPont and PPG's paints and paint
24 solvents is contaminated with benzene, isn't it?

25 MR. SCHIRRMEISTER: Object --

1 Q. (BY MR. GIANARIS) -- that second box?

2 MR. SCHIRRMEISTER: Object to the form.

3 A. Because of the way they produced
4 xylene -- not they, but the way xylene is produced, I
5 don't think it's possible to get every molecule of
6 benzene out of xylene.

7 Q. (BY MR. GIANARIS) Okay. So it's
8 contaminated with -- DuPont and PPG's xylene is
9 contaminated with some benzene?

10 MR. SCHIRRMEISTER: Object to the form.

11 A. It has some benzene in it. Sorry.

12 Q. (BY MR. GIANARIS) And the petroleum
13 distillates -- distillates --

14 A. Distillates.

15 Q. -- distillates. Easy for you to say.

16 A. Yeah.

17 Q. The petroleum distillates in the DuPont
18 and PPG paints and solvents are contaminated with
19 benzene, aren't they?

20 MR. SCHIRRMEISTER: Object to the form.

21 A. I would put those into the same category.
22 They all contain small amounts of benzene.

23 Q. (BY MR. GIANARIS) Okay. And then down
24 on the bottom of this slide, we have IARC and NIOSH
25 and ACGIH, which I think is the American Conference of

1 Government and Industrial Hygienists, NTP, ATSDR, EPA.

2 I just want to talk about those groups
3 for a minute and the heading there. This isn't
4 completely accurate, is it, this slide?

5 A. Well, you'll have to be a little more
6 specific. We -- this is one of the things that I
7 specifically went through and looked at these various
8 documents and piled up these documents from these
9 agencies and made sure that they had all weighed in on
10 these various classes of chemicals.

11 Q. Right. So when you went through the --
12 you went through the IARC documents, piled them up and
13 you waded through them?

14 A. Yes.

15 Q. Same with the NIOSH documents, same with
16 the ICGIH documents, et cetera, right?

17 A. Well, I actually did it on a chemical --
18 per-chemical basis.

19 Q. Okay.

20 A. I said, Okay, here's all of the things on
21 toluene. Do I have something that IARC said about
22 toluene? Do I have something that NIOSH said about
23 toluene? So I -- I did it that way.

24 Q. Okay. And none of these organizations
25 talked about what you -- you have up here in the

1 heading of this particular slide, right, they didn't
2 look at DuPont and PPG paints and solvents. They
3 didn't extensively evaluate and study DuPont and PPG's
4 paints and solvents, does they?

5 A. No, they didn't evaluate these specific
6 products.

7 Q. Okay.

8 A. But since those are the main ingredients
9 in those paint solvents, that's -- that -- it has some
10 bearing to what we were looking at.

11 Q. Right. It has some bearing; but by no
12 stretch of the imagination did any of these groups
13 down here at the bottom look at DuPont and PPG paints
14 and solvents and extensively evaluate and study them,
15 did they?

16 MR. SCHIRMEISTER: Object to the form.

17 A. Oh, that's the part that you said is not
18 accurate.

19 Q. (BY MR. GIANARIS) Yeah.

20 A. Oh, I got it. Well, I guess it depends
21 on your definition. I mean, if the paint solvents
22 that you're referring to are toluene and xylene and
23 the petroleum distillates, then it is totally
24 accurate.

25 If you're saying did they go get a PPG

1 paint solvent that is something different than these,
2 then, you know, that would not be accurate. But these
3 are the PPG and DuPont paint solvents, the most
4 common. And they did look for those. So it depends
5 on your definition, but I think it's accurate.

6 Q. Okay. But you don't -- and maybe it's
7 tomato, tomato, but these groups down at the bottom,
8 so we're clear, did not in any way, shape, or form
9 evaluate DuPont and PPG paints and solvents? They
10 didn't actually go look at them and evaluate them and
11 write about them?

12 MR. SCHIRMEISTER: Object to the form.

13 A. What they evaluated -- I think I see
14 where you're going. What they evaluated was toluene.

15 Q. (BY MR. GIANARIS) Okay.

16 A. So when you look at a DuPont paint
17 product and it has toluene in it, okay, well, that
18 evaluation, in my view, is relevant to the toluene
19 that's in a DuPont product.

20 Q. Okay.

21 A. Did they go and pull a DuPont product off
22 the shelf and say, Is this toluene any different than
23 all of this other toluene? I don't know the answer to
24 that. My guess is no.

25 Q. Right. Okay. Let's go to page 29,

1 please, Exhibit 27. Okay. You used this to describe
2 the dose response -- to describe dose response, right?

3 A. Well, kind of. What -- what we tried to
4 depict here was this notion that even very safe things
5 that everyone considers and are exposed to all of the
6 time, like water and salt, can be toxic if you are
7 exposed to enough of them.

8 Q. Right. And what we're talking about over
9 here in the red -- just so the jury -- we make sure
10 it's clear to the jury, in the red is an acute
11 overdose. That's -- that's getting 7 1/2 gallons of
12 water pretty fast or 5 pounds of sugar, I guess,
13 shoved down your throat, right?

14 A. Yes.

15 Q. Be kind of crazy scenario?

16 A. Yes.

17 Q. Okay.

18 A. That's true.

19 Q. That's not over time? I mean, you could
20 have 5 pounds of sugar maybe a week or maybe even
21 less. You might gain some pounds, but you're not
22 going to die?

23 A. You're absolutely right. And we even --
24 I even thought about putting that this was acute. But
25 you're exactly right. It wasn't -- I was not trying

1 to make any claims about sugar's toxicity, only
2 illustrate to the jury that you need to understand how
3 much a person is exposed to before you can really get
4 an idea of the toxicity of that compound.

5 Q. Sure. But there's different types of
6 exposure? I mean, the length of time you're exposed
7 is important, right?

8 A. You're ab -- absolutely right.

9 Q. Chronic exposures over time are different
10 than acute exposures, like 5 pounds of sugar all at
11 once?

12 A. That is absolutely true.

13 Q. Okay. Let's go to page 30, please, which
14 is Exhibit 28. Okay. Now, this is also on that same
15 part of your testimony that you said is critical to
16 understanding toxicology. And here you're talking
17 about something that when it's on the left side of the
18 threshold for toxicity line is beneficial. Isn't that
19 right? In this -- in this case, this example?

20 A. Um-hum, yes.

21 Q. All right. We're talking about aspirin
22 and how it can help keep you from having a heart
23 attack?

24 A. Yes.

25 Q. And again -- I don't want to go back to

1 the last slide, but if you take a bunch of bottles of
2 aspirin all at once, you're doing to die?

3 A. Well, not even -- I mean, some of the
4 gastrointestinal bleeding, you would get that if you
5 took three or four aspirin on a chronic basis, so that
6 actually is not an acute -- aspirin -- well, I mean, I
7 understand what you're saying, and that's true.
8 Aspirin doesn't have that much of an acute toxicity,
9 but you certainly can OD on it.

10 Q. Okay. And but -- so the jury
11 understands, benzene does not -- this -- this isn't
12 really applicable to benzene. Benzene doesn't have a
13 beneficial effect below the -- the -- on the left side
14 of the broken line there, does it?

15 A. It was not my intent to imply that.

16 Q. Okay.

17 A. I don't think anyone has uncovered a -- a
18 potential beneficial effect of low exposures to
19 benzene.

20 There is a guy in the University of
21 Texas, Stephen Safe, who's -- he has put forth this
22 notion of -- it's called hormesis. And in hormesis
23 almost every dose response curve is biphasic, and that
24 really low exposures do have beneficial effects. We
25 just always don't know what those are. I'm not saying

1 that's true -- for benzene, I'm certainly not saying
2 that.

3 Q. Okay. Let's go to page 31, please,
4 Exhibit 29. Okay. Now, this is the same basic
5 testimony you gave. This illustrates the same basic
6 testimony about dose response. And here we're talking
7 about alcohol; is that right?

8 A. Correct. Ethanol, yes.

9 Q. Ethanol, which is in beer --

10 A. It's what people drink, yes.

11 Q. Okay. And what you're illustrating here
12 is if you don't drink, you don't get drunk; but if you
13 drink way too much, you can have a coma or die, right?

14 A. Correct.

15 Q. Okay. This is -- again, this is an acute
16 exposure, right, something that happens fast and all
17 at once in a continuous exposure, not chronic over
18 time?

19 A. That's true. The chronic effects would
20 be cirrhosis of the liver and other kinds of effects
21 for chronic alcoholism. But, sure, this is more on --
22 like a night out.

23 Q. Night out. Okay. Good night out, you
24 get giddy; bad night out, you go in a coma?

25 A. I would hope that doesn't ever happen to

1 anyone, but it does.

2 Q. Okay. And you just mentioned -- you kind
3 of anticipated my next question. About types of
4 injuries you can have exposures -- low, low exposures
5 to alcohol, drink a few drinks a day, never get
6 anything more than, maybe, giddy, but still have
7 injury, right, like cirrhosis of the liver?

8 MR. SCHIRMEISTER: Object to the form.

9 A. Yeah, that might not be the best example.
10 For one thing, a few drinks a day, I wouldn't consider
11 that to be low exposure. Now, the giddy part is true.
12 People develop tolerances for that, and they may take
13 a lot more to have this, you know, euphoric, giddy
14 feeling. But cirrhosis is a complete reorganization
15 of a person's liver with scar tissue, and it takes a
16 lot of alcohol over a long period of time.

17 Q. (BY MR. GIANARIS) If you drink over 20
18 years, you never get drunk enough to have an acute
19 reaction injury, like coma or death, but you drink
20 over a long period of time, you can have chronic
21 injury, right?

22 A. That -- that's true. You may not have to
23 go -- in your individual episodes, you may not have to
24 go to alcohol poisoning to get cirrhosis. But I --
25 you know, the lower end, I don't think evidence

1 supports that -- that these would give you cirrhosis.

2 Q. They could give you some injuries in a
3 susceptible person, couldn't they?

4 A. I'm not sure. I don't really know that
5 much about the dose response relationship with
6 cirrhosis; but looking at cirrhotic livers and what I
7 do know about that literature is that those people
8 that have it, they drink a lot.

9 Q. Over a long period of time --

10 A. Correct.

11 Q. -- they drink?

12 A. Correct.

13 Q. Okay. Let's look at slide 33, please,
14 which is Exhibit 31. Now, this slide, you -- when you
15 were talking about it on direct examination with
16 Mr. Schirrmeister, he asked you if they saw any -- and
17 I'm paraphrasing here, but if they saw any APLs here.
18 And you kind of leaned back and said, Well, they
19 weren't looking for APLs in these cases -- in these
20 studies, right?

21 A. Well, he was asking about pliofilm.

22 Q. Okay.

23 A. So that -- that question you just asked
24 will vary depending on which of these studies you're
25 talking about.

1 Q. Let's talk about the study he was talking
2 about, the pliofilm studies, the two up at the top.
3 They weren't -- you wouldn't find any APLs because
4 they weren't looking for APLs, right?

5 A. They did not specifically look for APLs.
6 And it was a retroactive mortality study, so you're
7 basing the analysis on death certificates.

8 However, in these cases with the leukemia
9 cases, they did write up case descriptions of the
10 various types of leukemia. And they classified them,
11 like, erythroleukemia and other forms of AML.

12 So there are some examples where you can
13 say definitively this was not APL. There are two
14 cases in the pliofilm that I think you can't say
15 definitively that this was or was not APL.

16 So part of your question is right. They
17 didn't specifically look. But I don't think we're in
18 a complete vacuum in terms of the information, because
19 most of those were not APL. We do know that.

20 Q. Okay. Let's go -- let's go back --

21 MR. GIANARIS: Let's go off the record.

22 THE VIDEOGRAPHER: Going off the record.

23 The time is 1:33.

24 (Recess taken, 1:33 p.m. to 1:34 p.m.)

25 THE VIDEOGRAPHER: We are back on the

1 record. The time is 1:34.

2 Q. (BY MR. GIANARIS) A moment ago, a few
3 moments ago, on direct examination, you all talked
4 about some studies where your testimony was they were
5 looking at APL. And you -- you gave a caveat that
6 they were very small studies. Do you remember that?

7 A. Some of them were small studies, yes.

8 Q. It's important to know the size of a
9 study, because the size of the study is -- correlates
10 to the study's power; is that right?

11 A. That much is true, yes.

12 Q. Okay. And the larger the study, the
13 higher the power, everything else being equal?

14 A. All things being equal, you're right.
15 The larger the study the -- the better the statistical
16 power.

17 Q. And statistical power has to do with the
18 likelihood that the results in the study aren't based
19 on chance, right?

20 A. It has to do -- that partially, but it
21 also has to do with the ability of the study to detect
22 subtle changes, small changes in risk.

23 For example, if you have smoking and --
24 smoking and lung cancer or some other -- where the
25 risks are really high, you can see those in a

1 relatively small study. But if you have a 50 percent
2 increase in risk or a 75 percent increase in risk, you
3 may not see that in a little study. You have to --
4 you need a bigger cohort to evaluate those.

5 Q. So small studies don't -- one thing they
6 -- they -- small studies are more likely to have their
7 results based on chance and they don't detect subtle
8 differences in risk; is that right?

9 A. I think the last part is --

10 MR. SCHIRMEISTER: Object to the form.

11 A. -- is accurate.

12 Q. (BY MR. GIANARIS) Okay. You talked
13 about chemotherapy and Mr. Wolfe's chemotherapy; is
14 that right?

15 A. Well, I think I -- I answered a question
16 about -- but I talked in specifics about
17 altransretonoic acid, the form of chemotherapy that --
18 that you treat APL with.

19 Q. And you said that's what Mr. Wolfe had?

20 A. Right.

21 Q. Right. And you know Mr. Wolfe had rounds
22 of chemotherapy, correct?

23 A. Um-hum.

24 Q. And you know that he had a recurrence of
25 his disease, don't you?

1 A. I -- I do. I read all of his medical
2 records, but it's been some time; but I do recall
3 that, yes.

4 Q. And he had a stem cell transplant?

5 A. Right.

6 Q. Okay. And Mr. Wolfe's not cured, is he?

7 A. That, I don't know.

8 Q. Okay.

9 A. I really wouldn't be qualified to make
10 that determination.

11 Q. From what you've seen in the medical
12 records, you don't have an indication that he -- he's
13 been cured of his disease? It's in remission for
14 right now; is that right?

15 MR. SCHIRMEISTER: Object to the form.

16 A. I don't remember how long it's been in
17 remission, and I don't remember the data associated
18 with that. If it's in cytogenetic remission and it
19 has been in remission for some time, then his
20 long-time -- long-term prognosis is pretty good, but
21 that's -- that's really not my area.

22 Q. (BY MR. GIANARIS) Okay. You know that
23 Andy Wolfe's blood cancer, his leukemia or APL, was
24 caused by -- or he claims it was caused by repeated
25 exposure to solvents in paint products over his

1 career?

2 A. That's my understanding of the
3 allegations, yes.

4 Q. Okay. And you've studied Andy Wolfe's
5 case, you've read his transcripts, you've read his
6 medical records, you've read exposure reports; is that
7 right?

8 A. All of that has been some time ago; but,
9 yes, at one point or another, I did.

10 Q. You needed to do that to give your
11 opinions that you talked about today. I mean, at one
12 to another, you had to do that to educate yourself
13 about the case, right?

14 A. Right. I mean, most of what I did to
15 educate myself on this case was the -- I mean, I'm a
16 toxicologist, so I was mostly interested in the
17 scientific data associated with his disease.

18 The various exposure experts, it was
19 their job to figure out what he did and where he did
20 it and what his exposures might have been while he was
21 doing that. So I read his report -- their reports and
22 I was interested in it, but I didn't do that.

23 Q. But you've read them?

24 A. Yes.

25 Q. Okay. You read -- I saw in your report

1 you read his deposition?

2 A. Yes.

3 Q. Okay. And you know he worked for over 20
4 years as a car painter?

5 A. '85 to 2004. I think --

6 Q. Or almost 20 years?

7 A. I think that's right, yes.

8 Q. Started in high school. When he was in
9 high school, he started painting cars?

10 A. Right. Right. On the weekends and
11 things, yes.

12 Q. Okay. And you know, from what you've
13 read and what you've been told, that he regularly
14 worked with PPG paints and DuPont paints and the
15 products that go along with those paints, don't you?

16 MR. SCHIRRMEISTER: Object to the form.

17 A. That, I'm not so sure about. I mean, I
18 -- from reading the experts, the exposure reports, I
19 don't think he used PPG products as long as he did
20 some of the other products; but I'm -- that's not my
21 area.

22 Q. (BY MR. GIANARIS) So he used DuPont
23 products the most?

24 A. That -- that's my understanding, but that
25 could be wrong.

1 Q. Okay. But that's kind of important,
2 isn't it, to know what the guy was exposed to when
3 you're going to give opinions about what caused his
4 disease, isn't it?

5 A. Well, it depends. It depends on what
6 disease it is and what the exposures are. As I said,
7 there were five individuals, all of them trained in
8 industrial hygiene, and all of them more qualified
9 than me, that -- that quantitated or wrote detailed
10 reports about his exposures.

11 The other side of that is if a person
12 says, I'm exposed to toluene and I have a disease
13 that's associated with toluene, well, I mean, it's
14 useful and it's -- and it's informative to know what
15 his exposures to toluene were. But the first thing
16 I'm going to do is say, Well, can toluene exposure at
17 any level cause this person's disease?

18 Q. Okay. So, really, you didn't have to
19 read anything in this case, you just had to know what
20 his disease was, and you could have said right away
21 toluene, xylene, or any amount of benzene didn't cause
22 this disease? You could have done that without
23 reviewing anything?

24 MR. SCHIRRMEISTER: Object to the form.

25 Q. You already had that scientific

1 knowledge? In fact, the lawyers knew that opinion,
2 because you've given that opinion for them before.

3 MR. SCHIRRMEISTER: Object to the form.

4 A. Not -- no, not exactly like that. It
5 is -- I mean, I do like knowing what the individual
6 was doing.

7 Q. (BY MR. GIANARIS) Right.

8 A. But that was not part and parcel of my
9 opinion. I did base my opinion somewhat on the
10 quantitative exposure information that they said that
11 Mr. Russell provided in his report and Mr. Keller
12 provided in his report where they were looking -- they
13 quantitated the benzene exposure. But I don't believe
14 that -- I do not believe that toluene exposure at any
15 -- at any level is associated with an increased risk
16 of leukemia.

17 Q. Right. So -- and Mr. Schirrmeister and
18 the lawyers for PPG, they knew that opinion before
19 they ever brought you the Wolfe case, because you've
20 given them that opinion in other cases in the past?

21 MR. SCHIRRMEISTER: Object to the form.

22 A. That, I don't know about. I've never
23 given -- I've never worked in a specific toluene,
24 xylene, distillate case and acute promyelocytic
25 leukemia before.

1 Q. (BY MR. GIANARIS) So did you or did you
2 not have to review Mr. Wolfe's exposures to come up
3 with your opinions?

4 A. I wouldn't say that I had to, no.

5 Q. Okay. But you did read his transcripts.
6 And you know that he worked with paints and paint
7 products for over -- or almost 20 years or from high
8 school until he got sick?

9 A. That's my recollection of what he said,
10 yes. And it's also what the various exposure experts
11 summarized in their reports.

12 Q. And you know he used PPG products and
13 mostly used DuPont products?

14 A. That's my understanding, yes.

15 Q. You know he mixed paints?

16 A. I don't remember that specifically, but
17 that wouldn't surprise me based on what he did.

18 Q. Do you know he sprayed paints?

19 A. I think that goes -- yes, I do know that.

20 Q. You know he cleaned with solvents?

21 A. That's my understanding, yes.

22 Q. Okay. You know he was around these
23 paints and these solvents on a daily basis, at least,
24 you know, unless he was on vacation, five days a week
25 for his career?

1 MR. SCHIRRMEISTER: Object to the form.

2 A. I can't speak to that. I think that's
3 right, yes.

4 Q. (BY MR. GIANARIS) And the benzene
5 exposure that Mr. Wolfe would have had would have been
6 doing that occupation, it would have been to the -- to
7 the contaminants, the benzene contaminants in those
8 paints and solvents, right?

9 MR. SCHIRRMEISTER: Object to the form.

10 A. The occupational exposures that Mr. Wolfe
11 would have had would have been because it is a
12 naturally occurring component of those various
13 solvents. I don't know what else Mr. Wolfe did. And
14 we're all exposed to benzene. So he has some
15 background exposure to benzene, just like we all do.

16 Q. (BY MR. GIANARIS) Okay. And you've --
17 you've written about those exposures in your -- your
18 report or your letter to the defense lawyers, haven't
19 you?

20 A. About what exposures?

21 Q. About the exposures we -- we talked
22 about.

23 A. I -- you're going to have to be more
24 specific.

25 Q. Well, we just talked about all the things

1 that Andy has worked with -- well, let me show you,
2 just so we're on the same page. Paragraph 18, why
3 don't you read that highlighted portion into the
4 record.

5 A. Okay. "Based on deposition testimony and
6 discovery responses, the only possible occupational
7 exposures to benzene that Mr. Wolfe potentially
8 received would have been as a contaminant of paints,
9 paint and lacquer thinners, adhesives, adhesive
10 cleaners, rubber compounds, other paint-related
11 products and various solvents, such as toluene or
12 mineral spirits."

13 Q. Okay. So that's -- that's -- you wrote
14 that?

15 A. Yes.

16 Q. Okay. Thank you. So it's your opinion,
17 it's in your report, that Mr. Wolfe was exposed to
18 benzene contamination in paints, paint lacquer
19 thinners, adhesives, adhesive cleaners, rubbing
20 compounds, other paint-related products and various
21 solvents?

22 A. Yes.

23 Q. Okay. And then you say that "In order to
24 achieve exposure of benzene required to meaningfully
25 increase one's risk of developing AML, there could

1 necessarily be concurrent exposures to other chemicals
2 present in these products."

3 So there's other -- there's other
4 product -- chemicals in the products that he used that
5 we just discussed and it would be concurrent
6 exposures, right?

7 MR. SCHIRRMEISTER: Object to the form.

8 A. What I meant by that -- I mean, I think
9 what you said is right, that if you're going to say
10 how much benzene is a person exposed to if they are
11 using a solvent that is mostly toluene or xylene and
12 you're going to calculate a dose of benzene, when they
13 are exposed to that benzene, they are also being
14 exposed to other aromatic things that are in that
15 mixture. They are being exposed to toluene, they are
16 being exposed to xylene, they are being exposed to
17 other things. That was -- that was what I intended to
18 convey in that paragraph.

19 Q. (BY MR. GIANARIS) What does
20 hematological toxicity mean?

21 A. Hematological just means the blood. So
22 it would be toxicity to the blood or the blood-forming
23 organs.

24 Q. And you write also in your report, "As
25 hematological toxicity is universally observed

1 following exposure to benzene, this indicates that
2 either there is some type of interaction that reduced
3 benzene toxicity or that there is simply not enough
4 benzene present to be a toxicological issue."

5 I want to ask you what you mean by
6 hematological toxicity is universally observed
7 following exposure to benzene.

8 A. Right.

9 Q. What does that highlighted sentence mean?

10 A. Right. So -- well, what I mean is that
11 occupational workers who were exposed to high levels
12 of benzene, they get hematological toxicity associated
13 with them, cytopenias and hypocellular marrows and
14 aplastic anemia, depending on the dose and the
15 longevity and other things. But when you're looking
16 at people that are exposed to toluene, methylbenzene
17 toluene, you don't -- you don't see that. You don't
18 see it in the animals, you don't see it in the human
19 studies.

20 So while those animals are being exposed
21 to benzene through the toluene, you don't see the same
22 profile of toxicity that you see when those animals
23 are exposed to pure benzene. So there's something
24 else involved, whether it's the toluene decreasing the
25 toxicity or whether there's just not enough benzene in

1 the mixture to cause those effects. That's what that
2 paragraph was intended to imply.

3 Q. Okay. When a solvent gets on a person's
4 skin, can the -- can the chemicals in that solvent
5 pass through the barrier of the skin and get in the
6 bloodstream?

7 MR. SCHIRRMEISTER: Object to the form.

8 A. I think as a -- just a general principle,
9 some can. But it varies considerably, depending on
10 the solvent. It varies considerably, depending on
11 characteristics of the skin and where that, you know,
12 exposure occurs, how long it's -- I mean, there's so
13 many variables. But as a general principle, yes,
14 dermal exposure can occur.

15 Q. (BY MR. GIANARIS) That's a pathway of
16 exposure just like inhalation?

17 A. It is a pathway of exposure for benzene.
18 It is certainly not considered to be nearly as
19 important as inhalation, but you can't totally rule it
20 out.

21 Q. How about ingestion? Is that a pathway
22 of exposure? I mean, if -- if chemicals or solvents
23 get on some -- in someone's coffee or their hands and
24 they rub their mouth, can you ingest benzene that way,
25 too?

1 A. You know, that's so -- well, okay, yeah.
2 I mean, benzene in experimental animals that is given
3 via injection as a bolus, it goes right in. So the
4 absorption through the GI tract is thought to be very
5 high.

6 Now, how realistic is it, from an
7 occupational setting standpoint, that someone is
8 getting solvent in their mouth and ingesting them,
9 that's really not my area. But if it got in, if
10 benzene got into the GI tract, it would be absorbed.
11 That's our understanding.

12 Q. And once -- once benzene is inside the
13 body, whether it comes in through inhalation or
14 through the skin or through ingestion, what organ does
15 it go to from the blood? Where does it get
16 metabolized?

17 A. Once it gets into the blood.

18 Q. Um-hum.

19 A. You know, because inhalation is going to
20 the lungs first.

21 Q. Right.

22 A. So that's a little different. And even
23 the GI tract is a little different because of
24 something that we call first-pass metabolism. But
25 ultimately the benzene that's in the blood is -- we

1 believe that it's -- it starts its metabolic pathway
2 in the liver.

3 Q. And then the metabolites from that
4 benzene can go to the bone marrow?

5 A. That is our general -- sort of our
6 working hypothesis at this point is that the benzene
7 goes to the liver, depending on where and how and
8 other things; but that it produces metabolites that
9 are long-lived enough to get back into the circulation
10 and travel to the bone marrow.

11 Not -- not all metabolites will -- will
12 ever get out of the liver, but some probably do. And
13 then they are subsequently metabolized in the bone
14 marrow. That -- that's our understanding.

15 Q. And when benzene causes cancer, that's
16 where the cancer begins, isn't it, in the bone marrow?

17 A. Well --

18 MR. SCHIRMEISTER: Object to the form.

19 A. Yeah, cancer is too general of a term.

20 Q. (BY MR. GIANARIS) Leukemia?

21 A. And I don't even believe that benzene
22 causes all forms of leukemia. AML --

23 Q. You have it.

24 A. Okay.

25 Q. Let's say when benzene causes AML, that's

1 where the cancer begins, in the --

2 A. That is our --

3 Q. -- bone marrow?

4 A. That is our understanding, yes.

5 Q. Okay. Tell us -- tell the jury why a
6 healthy and properly functioning bone marrow is so
7 important.

8 A. The bone marrow is the source of our
9 blood. So in order for us to have adequate oxygen
10 distribution, get rid of carbon dioxide, fight
11 infections, wound healing, all of the things -- clot
12 blood, all of the things that we associate with blood
13 cells in the periphery, we believe most of that
14 development occurs -- not all of it, some of it occurs
15 in the lymphoid system. But a lot of it occurs in the
16 bone marrow.

17 So the stem cell that we were talking
18 about earlier, how -- whatever you want to say about
19 it, it likely lives in the bone marrow. And it starts
20 its developmental process to these various cell types
21 inside the bone marrow.

22 Q. And let's talk about exposures that can
23 damage the bone marrow and exposures that can't. You
24 talked about, sort of, a background exposure. And
25 it's your opinion that -- tell me if I'm wrong -- that

1 a guy who, let's say, works in this hotel, okay?
2 St. Julien Hotel. And he walks around on the streets
3 and he drives his car and he pumps his gas and he mows
4 his lawn. He is breathing some benzene, right?

5 A. He is.

6 Q. Okay. But he is below a threshold. That
7 benzene won't hurt that guy?

8 A. There is no scientific evidence to
9 suggest that those levels have benzene are having any
10 effect on him. It -- adverse effect on him.

11 Q. And it -- but it's your opinion that
12 there are some groups of workers that are exposed to
13 enough benzene that they are above the threshold and
14 that exposure can put them in increased risk or cause
15 damage to the bone marrow and maybe acute myeloid --
16 AML?

17 A. Or aplastic anemia. Absolutely.

18 Q. Okay. And it's your opinion that
19 Mr. Wolfe is -- despite his 20 years of work as a
20 painter is below that threshold. He's in the same
21 group as the guy working at this hotel, mowing his
22 lawn, pumping his gas, right?

23 A. Well, he's in the same group in that I
24 don't believe that he had a sufficient exposure to
25 benzene to cause bone marrow toxicity.

1 I would -- I wouldn't say that he had the
2 same exposures to -- as a guy that works in this
3 hotel; but as that slide indicates, we're not
4 completely in the dark about how much benzene it --
5 that we believe that it takes to meaningfully increase
6 a person's risk of AML. And it's far higher than what
7 the estimates that I've seen of Mr. Wolfe.

8 Q. Okay. But if you draw that little line
9 you drew, that broken line threshold, Mr. Wolfe would
10 be below the threshold, just like the guy working in
11 the hotel? He wouldn't be above the threshold like
12 the group -- some groups of workers who are at an
13 increased risk?

14 A. That's true.

15 Q. Okay. Chronic exposures to high
16 concentrations of benzene are toxic to the blood and
17 the bone marrow; is that right?

18 MR. SCHIRRMEISTER: Object to the form.

19 A. Chronic exposures to high concentrations
20 of benzene? Yes, we've known that for a hundred
21 years.

22 Q. (BY MR. GIANARIS) And the flip side of
23 that is that the risk of developing AML associated
24 with benzene exposure below the threshold that we
25 talked about is indistinguishable from background. In

1 that a guy like Mr. Wolfe who worked for 20 years as a
2 painter, he doesn't have any more risk than a guy
3 walking down the street?

4 A. He doesn't have an increased risk that
5 can be identified epidemiologically. I mean, it
6 depends on how you -- how you regulate benzene and
7 what you think about the dose response for benzene,
8 but he has not even approached the levels that we
9 believe are required in order to meaningfully increase
10 his risk of bone marrow damage.

11 Q. Okay. Let me -- let me just show you
12 your report, because that's what you -- you wrote
13 exactly what I said.

14 A. Okay.

15 Q. Right here. That is the risk of
16 developing AML associated with benzene exposure below
17 this threshold would be indistinguishable from
18 background.

19 A. Right.

20 Q. Right?

21 A. Yes. And I said -- I mean, it may not be
22 exactly background, but with current epidemiological
23 methods, you won't be able to tell those apart.

24 Q. It's indistinguishable from the
25 background?

1 A. Exactly.

2 Q. Okay. So just so the jury understands,
3 it's your opinion that Mr. Wolfe, with his 20 years of
4 painting, with potential inhalation, ingestion, and
5 dermal contact to solvents, is below that threshold,
6 and his risk of developing AML is indistinguishable
7 from background? That's your opinion in this case?

8 A. Yes.

9 Q. Okay.

10 MR. GIANARIS: Let's go off for a moment.

11 THE VIDEOGRAPHER: Going off the record.

12 The time is 1:55.

13 (Recess taken, 1:55 p.m. to 2:02 p.m.)

14 THE VIDEOGRAPHER: We are back on the
15 record. The time is 2:02.

16 Q. (BY MR. GIANARIS) Dr. Pyatt, just
17 refresh the jury's memory what IARC is?

18 A. IARC. The International Agency for the
19 Research on Cancer.

20 Q. And you're a cancer researcher, aren't
21 you?

22 A. Sure.

23 Q. Okay. IARC is well-respected by cancer
24 researchers, isn't it?

25 A. Yes. Yes, IARC -- yes, it is a

1 well-respected group of scientists.

2 Q. And I want to ask you about some other
3 groups. Are you familiar with the National Safety
4 Council?

5 A. The National Safety Council? I've seen
6 them, but I'm not as familiar with them -- I've never
7 read anything that they have written.

8 Q. Okay. I'm going to ask you about that in
9 a moment. I want to tell you the National Safety
10 Council has its headquarters in Chicago, and it's a
11 trade organization, has members from industry and
12 product manufacturers, worker representatives, unions,
13 safety men, scientists. And their -- their mission is
14 to -- is to disseminate information to create safer
15 workplaces.

16 A. Okay.

17 Q. You're familiar with them and you
18 understand that concept that type of group?

19 A. I do now, yes.

20 Q. Okay. Let's talk historically for a
21 minute so the jury can put things in perspective. Way
22 back in 1950, the National Safety Council wrote
23 benzene is one of the most poison -- one of the most
24 insidious poisons ever to find in industrial use. And
25 then they wrote in the same -- in the same article a

1 victim may become incurably poisoned before he feels
2 ill.

3 So I mean, is -- is that still the
4 understanding today, that benzene is one of the most
5 insidious poisons ever to find in industrial use?

6 MR. SCHIRRMEISTER: Object to the form.

7 A. I -- that's hard to answer. And I don't
8 quite know what insidious means. So are you asking me
9 if -- is that what people still think now?

10 Q. (BY MR. GIANARIS) Yeah.

11 A. There -- there might be some people that
12 still think that.

13 Q. Okay. And does that surprise you that
14 that was -- that was known and published back in 1950?

15 MR. SCHIRRMEISTER: Object to the form.

16 A. I -- I'm not surprised nor un -- un --
17 not surprised. I mean, we've -- we've known about
18 benzene's toxicity for -- for some time.

19 Q. (BY MR. GIANARIS) Okay. Are you
20 familiar with the American Petroleum Institute?

21 A. Yes.

22 Q. Okay. And you've -- you've testified in
23 the past for defendants -- you've worked on cases for
24 defendants who were members of the American Petroleum
25 Institute; is that right?

1 MR. SCHIRRMEISTER: Object to the form.

2 A. I would assume that they -- they are
3 members, yes.

4 Q. (BY MR. GIANARIS) You've worked for oil
5 companies?

6 A. Correct. Yes.

7 Q. Okay. And oil companies make up the
8 American Petroleum Institute, among other -- among
9 other members, right?

10 A. That's correct.

11 Q. Are you familiar -- you've been shown
12 that way back in the 1940s, the American Petroleum
13 Institute reviewed the toxicology of benzene?

14 A. I've seen that 1948 Drinker paper, if
15 that's the one you're referring to.

16 Q. Yeah, that's the one I'm talking about.
17 So you're familiar with that?

18 A. Yes.

19 Q. We'll mark that as Exhibit 1. And I'll
20 show you here -- read the highlighted portion there
21 from the Drinker paper you just mentioned.

22 A. "Inasmuch as the body develops no
23 tolerance to benzene, and as there -- and as there is
24 wide variation in individual susceptibility, it is
25 generally considered that the only absolute safe

1 concentration for benzene is zero."

2 Q. Okay. And that's what the API was saying
3 back in 1948, correct?

4 A. That's what this --

5 MR. SCHIRRMEISTER: Object to the form.

6 A. -- Professor Drinker wrote in this paper.

7 Q. (BY MR. GIANARIS) Published by the API?

8 A. Yeah. But I don't know what the API was
9 -- I mean, I -- that's a big group, but that's what
10 this one individual said, yes.

11 Q. Okay. And you've -- you've -- you've
12 read and heard working in these cases about similar
13 sentiments the N -- the NS -- what the National Safety
14 Council said what Professor Drinker wrote for the
15 API -- you've heard and read those over the years
16 working on these cases, haven't you, that there's no
17 safe level to exposure of benzene?

18 MR. SCHIRRMEISTER: Object to the form.

19 A. Well, I don't think that's the first
20 thing. The -- the thing that you wrote from 1950 from
21 the National Safety Council, that wasn't my
22 interpretation that there's no safe limit. And even
23 in the Drinker study, they go on to recommend a air
24 concentration of -- of 50 part per million that they
25 consider to be regulatory and -- and safe. And you

1 also have to put it in the context of what our ability
2 -- what the science allowed these people to actually
3 measure back in the '40s in terms of benzene.

4 You know, we can measure benzene at way
5 lower concentrations than they were able to then. So
6 what they considered to be zero, now we know that's
7 not zero. There's benzene there.

8 Q. (BY MR. GIANARIS) Okay. So -- but way
9 back then in 1948, Dr. Drinker was saying the only
10 absolutely safe concentration of benzene is zero?

11 A. That's what he said, yes.

12 Q. Okay. And DuPont agreed with that,
13 didn't they, back in the -- in the 1950s?

14 MR. SCHIRRMEISTER: Object to the form.

15 A. That, I can't speak to.

16 Q. (BY MR. GIANARIS) Have you ever looked
17 at any DuPont documents? Has DuPont given you any
18 internal correspondence or memos about what they knew
19 about the -- about the dangers of benzene exposure?

20 A. No.

21 Q. Have you ever asked to see those?

22 A. No.

23 Q. Okay. Tell me what -- what you know
24 about Andy Wolfe's disease, how it came on, how it
25 progressed, how he was cared and treated for, et

1 cetera.

2 A. Can I refer to my report?

3 Q. Sure.

4 A. Because I just -- I don't -- it's been
5 awhile since I've looked. I meaning, I can at least
6 tell what you I had in my report. I think we did talk
7 about it.

8 MR. GIANARIS: We can go off the video
9 while he finds it.

10 THE VIDEOGRAPHER: Going off the record.
11 The time is 2:09.

12 (Discussion off the record.)

13 THE VIDEOGRAPHER: We are back on the
14 record. The time is 2:09.

15 A. My understanding that he presented with
16 blood abnormalities in 2004, was diagnosed with APL.
17 This is from memory. And I may be wrong, but that he
18 was treated with altransretonoic acid, entered
19 remission, relapsed, maybe put into remission again,
20 had a stem cell transplant that seemed to take, and
21 now is in long-term remission. That's my
22 understanding.

23 Q. (BY MR. GIANARIS) Okay. Dr. Pyatt, I
24 just asked you about his treatment and you asked to
25 see your report. You didn't put anything about his --

1 his care and treatment or the progression of his
2 medical condition in your report, did you?

3 A. No.

4 Q. You went through and couldn't find
5 anything?

6 A. That's right. Sometimes, I do.
7 Sometimes, I write little medical histories; but this
8 time, I didn't.

9 Q. Okay. And do you remember much about --
10 you gave us a little summary -- a couple of sentences
11 about his medical. How about his exposure? Do you --
12 do you remember much about what Andy did on a daily
13 basis, how he worked, how he was exposed?

14 A. No.

15 Q. Okay.

16 A. Other than he was, you know, painting
17 cars.

18 Q. I'd like you to tell me, please -- or
19 give me a definition or an example of what you feel is
20 appropriate for an ethically responsible company to
21 tell the users of its products when its products
22 contain a dangerous substance.

23 MR. SCHIRMEISTER: Object to the form.

24 A. I don't understand that question.

25 Q. (BY MR. GIANARIS) Okay. Some products,

1 the people use in their work places contain dangerous
2 substances, right?

3 A. Right.

4 Q. Toxic substances?

5 A. Correct.

6 Q. Substances that can cause severe
7 injuries?

8 A. Sure.

9 Q. Okay. What is the appropriate -- the
10 ethically responsible thing for a company that makes
11 products like that that contain chemicals that can
12 cause those kinds of injuries to tell the end user?

13 MR. SCHIRMEISTER: Object to the form.

14 A. It's really not my area. I mean, this
15 whole warnings and how you word things and how you
16 print things out and how big it is and color and
17 signs, that's a whole area of science that I'm just
18 not familiar with.

19 So I really -- that's just outside of my
20 area to discuss. I mean, there are people that
21 specifically understand that and what people pay
22 attention to and what they read. And that's just not
23 anything that I know anything about.

24 Q. (BY MR. GIANARIS) Well, you know what --
25 what an ethical company ought to do, don't you, I

1 mean, if they -- if they ought to tell people about
2 the dangers of their products or not?

3 A. Well, at that level, that's a relatively
4 simplistic level. And I think absolutely they should.

5 Q. Okay. Do you agree --

6 A. But what I was saying is I don't know
7 how, what's the best mechanism for disseminating that
8 information. That's -- that is not my area.

9 Q. Do you agree that it's a good rule that a
10 company should tell workers using its products about
11 all of the dangers that come from using the products?

12 MR. SCHIRMEISTER: Object to the form.

13 A. That's a harder question to answer. I
14 mean, I think that gets into this notion of warnings
15 and what you say and what you don't say and just --
16 I'm just not comfortable answering that.

17 I mean, some of the -- at the superficial
18 level, yes; but if it's an exceedingly rare side
19 effect or it occurs at exposures that aren't going to
20 happen, you know, I don't -- I don't know. I mean, I
21 think some companies do that. They put all that on
22 MSDS sheets and some -- I -- I don't know. I just
23 don't know -- I don't know about that. Sorry.

24 Q. (BY MR. GIANARIS) Have you ever -- have
25 you ever thought about that? Have you -- when you

1 testify in cases like this, have you listened to other
2 experts testify and sat and thought I wonder -- I
3 mean, what -- what -- what I feel in my gut they ought
4 to do, a company ought to do?

5 MR. SCHIRRMEISTER: Object to the form.

6 A. Well, most -- the times that I've looked
7 at it -- and I haven't looked at it as a scientist,
8 just like you said, just kind of on the periphery, it
9 seems like they are.

10 The -- the companies that I work for,
11 they have made a good faith effort to disseminate this
12 information where it was required. But how and when
13 and what information you specifically include on
14 these, that's just not my area.

15 Q. (BY MR. GIANARIS) So it's a good thing
16 for a company to make a good faith effort to
17 disseminate the information they have to the workers?

18 MR. SCHIRRMEISTER: Object to the form.

19 A. Say that again.

20 Q. (BY MR. GIANARIS) It's a good thing for
21 a company to disseminate the information they have
22 regarding these possible harmful effects to the
23 workers?

24 MR. SCHIRRMEISTER: Object to the form.

25 A. Yes.

1 Q. (BY MR. GIANARIS) Okay.

2 A. At that level, yes.

3 Q. All right.

4 MR. GIANARIS: That's all I have.

5 Thanks.

6 MR. SCHIRRMEISTER: Jim?

7 MR. MILLER: Nothing.

8 MR. SCHIRRMEISTER: No questions. Thank
9 you, Dr. Pyatt.

10 THE DEPONENT: You're welcome.

11 THE VIDEOGRAPHER: This is the end of
12 tape 2 of 2. Going off the record. The time is 2:14.

13 WHEREUPON, the within proceedings were
14 concluded at the approximate hour of 2:14 p.m. on the
15 23rd day of September, 2009.

16

17

18

19

20

21

22

23

24

25

* * * * *

I, DAVID W. PYATT, Ph.D., do hereby
 certify that I have read the above and foregoing
 deposition and that the same is a true and accurate
 transcription of my testimony, except for attached
 amendments, if any.

Amendments attached () Yes () No

 DAVID W. PYATT, Ph.D.

The signature above of DAVID W. PYATT,
 Ph.D., was subscribed and sworn to before me in the
 county of _____, state of
 _____, this _____ day of
 _____, 2009.

 Notary Public

My commission expires

Andrew Wolfe 9/23/09 (tc)

1 REPORTER'S CERTIFICATE

2 STATE OF COLORADO)
) ss.

3 CITY AND COUNTY OF DENVER)

4 I, TERESA COOGLE, Shorthand Reporter and
Notary Public, State of Colorado, do hereby certify
5 that previous to the commencement of the examination,
the said DAVID W. PYATT, Ph.D., was duly sworn by me
6 to testify to the truth in relation to the matters in
controversy between the parties hereto; that the said
7 deposition was taken in machine shorthand by me at the
time and place aforesaid and was thereafter reduced to
8 typewritten form; that the foregoing is a true
transcript of the questions asked, testimony given,
9 and proceedings had.

10 I further certify that I am not employed by,
related to, nor counsel for any of the parties herein,
11 nor otherwise interested in the outcome of this
litigation.

12
IN WITNESS WHEREOF, I have affixed my
13 signature this 1st day of October, 2009.

14 My commission expires May 8, 2010.

15
16 X Reading and Signing was requested.

17 Reading and Signing was waived.

18 Reading and Signing was not required.

19
20
21
22
23
24
25

1 October 1, 2009

2
3 DAVID W. PYATT, Ph.D.
4 1944 Cedaridge Circle
5 Superior, Colorado 80027

6
7 Re: Andrew Wolfe and Denise Wolfe v.
8 E.I. DUPONT DE NEMOURS AND COMPANY, et al.
9 Deposition of: David W. Pyatt, Ph.D.

10 Dear Dr. Pyatt:

11
12 Enclosed you will find a complimentary copy of your
13 deposition taken in the above matter. Also enclosed are
14 amendment sheets for changes if necessary. Please return
15 the signed and notarized signature page and amendment
16 sheet(s), if any, to our office on or before October 19, 2009,
17 in order that the deposition may be filed in time for trial.

18 Thank you for your attention to this matter.

19 Sincerely,

20
21 Teresa Coogle, RPR
22 HUNTER + GEIST, INC.
23 Registered Professional Reporters

24
25 c: Ted N. Gianaris, Esq.
 James R. Miller, Esq.
 Andrew C. Schirrmeister, Esq.