

1 STATE OF NEW JERSEY)
) SS:
2 COUNTY OF MIDDLESEX)

3 IN THE SUPERIOR COURT OF NEW JERSEY
4 LAW DIVISION: MIDDLESEX COUNTY

5 JOHN PETERSON and SHIRLEY)
6 MAE PETERSON, his wife,)

7 Plaintiffs,)

8 vs.)

9 UNION CARBIDE CORPORATION,)

10 Defendant.)

No. L 060148-87
Civil Action

11 The continued discovery deposition of
12 SAMUEL EPSTEIN, M.D., taken in the above-entitled
13 cause before Sandra A. Kaspar, a notary public
14 within and for the County of Du Page and State of
15 Illinois, and a Certified Shorthand Reporter of
16 said state, at 303 West Madison Street, Suite 1400,
17 Chicago, Illinois, on the 16th day of December 1989
18 at 10:30 o'clock a.m.

19

20

21

22

23

24

RNW 2068

1 APPEARANCES:

2 LEVINSON, AXELROD, WHEATON & GRAYZEL, by
3 MR. ALFRED A. LEVINSON,
4 2 Lincoln Highway
P.O. Box 2905
Edison, New Jersey 08818-2905,

5 On behalf of the plaintiffs;

6 PITNEY, HARDIN, KIPP & SZUCH, by
7 MR. ROBERT L. HOLLINGSHEAD,
8 163 Madison Avenue
Morristown, New Jersey 07960,

9 On behalf of the defendant.
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

I N D E XWITNESS:Examination

SAMUEL EPSTEIN, M.D.

by Mr. Hollingshead (cont'd.)

4

EXHIBITSNumberMarked For ID

5-17

12

18

16

19

18

20

19

21

20

22

20

23

42

1 MR. HOLLINGSHEAD: Dr. Epstein, good morning.

2 THE WITNESS: Good morning.

3 MR. HOLLINGSHEAD: As you know this is a
4 continued day for your first deposition and you're
5 still under oath from the first day in New York.

6 THE WITNESS: Yes, of course.

7 SAMUEL EPSTEIN, M.D.,
8 the witness on the stand at the time of
9 adjournment, having been previously sworn, resumed
10 the stand and testified further as follows:

11 EXAMINATION (Continued)

12 BY MR. HOLLINGSHEAD:

13 Q. Doctor, I believe at the last deposition
14 there were some items you were going to find for
15 me, and I understand you brought some documents
16 with you today.

17 Would you identify what you brought
18 today?

19 A. Yes. The first is a deposition of
20 Strader, S-t-r-a-d-e-r, versus Franklin Electric.
21 It's my deposition on a case of meat wrappers
22 asthma. The second is a deposition of myself in a
23 case of Starling versus McDonnell Douglas, and this
24 is a case of nasal sinus cancer following multiple

1 exposures including asbestos.

2 The third is a batch of documents
3 relating to cases you asked me to dig out. The
4 first is Grasso versus B. F. Goodrich. If you
5 recall that was a VC case which we talked about
6 last time. It's a report of mine which I kept, a
7 report of January '81, and a letter from the
8 attorneys so you can get all the information from
9 the attorneys. *Grasso - had some info at
one time but don't recall case*

10 The next is another vinyl chloride case,
11 Ellias, E-l-l-i-a-s, versus Parke Davis and Spray-
12 on, one word, with a letter from the attorney and
13 an affidavit from me. I was deposed in that case
14 but I haven't got the deposition. *Baby got hepatic
blastoma from PVC
spray bandage*

15 The next is a letter -- the next is
16 another case, a VC/PVC case, called Mikyska,
17 M-i-k-y-s-k-a. I think it's Mikyska versus B. F.
18 Goodrich and possibly Ford -- yes, it is. I think
19 so -- and it's a letter of 1981. It was -- I don't
20 have any other documents in this case. This was --
21 I believe I was deposed. I don't think there was a
22 trial but I don't have any records. *Mikyska was
PVC film laminator
Victim of malpractice
No cancer*
23 The next is a VC case of Ferrara, *No cancer*
24 F-e-r-r-a-r-a, versus Tenneco, T-e-n-n-e-c-o;

1 letter from the attorney and a preliminary report
2 by myself. I don't believe I was deposed. There
3 certainly was no trial.

4 The next one is a meat wrappers asthma
5 case -- well, actually one, two, three, four meat
6 wrappers asthma cases. The first is Earle,
7 E-a-r-l-e, versus Cryovac, C-r-y-o-v-a-c. The
8 second is Wall versus Hobart, H-o-b-a-r-t. The
9 third is Strader versus Franklin -- that's a bit
10 silly of me.

11 The very first document I gave you was
12 the deposition so this is just a letter with the
13 attorney's name, et cetera.

14 The next is Sutkaitis versus Goodyear,
15 S-u-t-k-a-i-t-i-s, which there is a report from me,
16 a letter from the attorney. No deposition to the
17 best of my recollection, no trial. The next is --
18 that's -- those are all the meat wrappers asthma
19 cases.

20 The next is a letter from the attorney on
21 the asbestos case -- on another asbestos case.
22 This was a coworker of Starling called Ski, and
23 it's Ski -- and that was a nasal sinus cancer in
24 which asbestos was involved, S-k-i.

1 And the final one is a letter from the
2 attorney in relation to the Starling case, and
3 you've got the deposition of the Starling case
4 here. That's the asbestos.

5 (Discussion off the record.)

6 THE WITNESS: Now in addition to that I've got
7 some other tables and some corrected tables from my
8 notebook. The first is a document called State of
9 the Art on Residual VC in PVC Prior to 1975. I now
10 have that in my notebook. It wasn't in the copy I
11 sent you.

12 The next is -- there was a table if you
13 recall in the book I sent you called Illustrative
14 Literature on the Toxic and Carcinogenic Effects of
15 VC/PVC in the Respiratory Tract of Exposed Workers.
16 I have added quite a bit to that so this is a new
17 table for that.

18 The final one is just a table from a
19 paper by Infante et al. 1981 on respiratory tract
20 cancers.

21 MR. HOLLINGSHEAD: Before we mark the
22 documents you provided me with, let me refer to an
23 index that the court reporter gave to me from the
24 last deposition. I had asked her if she would just

1 give me a list of items that had been requested. .

2 BY MR. HOLLINGSHEAD:

3 Q. I had requested a curriculum vitae and
4 you sent that to me in the mail?

5 A. Yes.

6 Q. The next item was a list of publications
7 that you have authored over the course of time, and
8 that's also included in the C.V., is it not?

9 A. Sure.

10 Q. Next were formal reports written in the
11 Ellias, Grasso, and Mikyska cases, and you have
12 given those to me today, correct?

13 A. Yes.

14 Q. The next was to provide deposition
15 transcripts of any deposition of yours in those
16 three cases, and I believe you've given us the
17 deposition transcript from the Strader case.

18 Were there depositions taken in the
19 Ellias, Grasso, or Mikyska cases?

20 A. Yes. There's been a deposition taken in
21 the Grasso -- the Grasso case went to trial. So
22 there was a deposition and a transcript of that,
23 neither of which I have.

24 Q. And you don't have the transcripts from

1 the Ellias or the Mikyska cases?

2 A. No, I don't have them. The Ellias case
3 didn't go to trial. I was deposed on that.

4 Q. Do you have that transcript?

5 A. No, and the Mikyska -- I can't recall
6 whether I was deposed. I wrote a brief report on
7 it but I can't -- it didn't go to trial.

8 Q. And you don't have a transcript of any of
9 your testimony in that case, deposition or
10 otherwise?

11 A. I'm not sure that I was deposed in the
12 Mikyska case.

13 Q. The next item I had requested was
14 documentation which might include correspondence
15 with the attorneys on those three cases, and I
16 believe you have provided us with some of that?

17 A. Yes.

18 Q. The next request was for any expert
19 reports in any of the cases on asbestos.

20 A. Yes.

21 Q. And you've provided us with what you have
22 on that?

23 A. Yes.

24 Q. And that's all you have with regard to

1 that item?

2 A. Yes.

3 Q. The next was for any other expert reports
4 on isopropylidene bisphenol resins.

5 A. The only place where that came in is in
6 the meat wrappers asthma. That was one of the
7 exposures in the meat wrappers asthma cases.

8 Q. And you have given us what you have on
9 that?

10 A. Yes.

11 Q. The next was any documentation regarding
12 your involvement in any of the bisphenol cases.
13 Same thing?

14 A. Yes.

15 Q. The next was any report rendered with
16 respect to the NASA, N-A-S-A, plant worker who
17 apparently had laryngeal cancer.

18 A. I mislead you on that. I was under the
19 impression that one of these was a laryngeal
20 cancer. In fact both are nasal sinus cancers.

21 I still am under the impression that I
22 had an involvement in the laryngeal cancer case due
23 to asbestos, but I searched and spent a lot of time
24 looking in the basement and storage areas. I can't

1 lay my hands on it.

2 And I don't know whether it's faulty
3 memory or I was -- as I said before I was under the
4 impression that one of the NASA cases was a
5 laryngeal cancer because I know I did a search of
6 the literature.

7 Q. What did you find during your search that
8 made you conclude they were not laryngeal cancer
9 cases?

10 A. The documentation is very clear. They're
11 both nasal sinus cancers.

12 Q. Now which were the NASA cases?

13 A. Both the Ski and the Starling. They were
14 both coworkers in a NASA plant in Jacksonville,
15 Florida.

16 Q. The next request was for any reports that
17 you had written independent of the Peterson case
18 relating to the toxic properties of any of the
19 chemicals that may have caused his illness.

20 That would of course be the chemicals in
21 this case and have we covered that?

22 A. Yes.

23 Q. Did you find any reference -- and I had
24 requested this, but did you find any reference to

1 information supplied by Mr. Levinson's office
2 regarding Mr. Peterson's exposure to asbestos at
3 the time of his employment?

4 A. No. As I explained last time I had a
5 two-page note from his office specifying the
6 details of this. I incorporated that in the
7 occupational history and then tossed it out. It
8 was an unsigned -- I remember it was a two-page,
9 unsigned piece of paper I got from the office.

10 Q. So I'll follow up on that with
11 Mr. Levinson's office. Very good.

12 Let me ask you --

13 A. Can I see the recent curriculum?

14 MR. HOLLINGSHEAD: I have shown Dr. Epstein
15 the C.V. that he provided to me in the mail the
16 past week or so, and I'd like to mark this as
17 Epstein 5.

18 (Whereupon, Deposition
19 Exhibits 5-17, Witness
20 Epstein, was marked for
21 identification.)

22 MR. HOLLINGSHEAD: Dr. Epstein, we have marked
23 the documents that you provided me with today and
24 also the C.V. which you provided me with during the

1 week.

2 The C.V. is Epstein 5. The transcript in
3 the Strader case is Epstein 6. The deposition in
4 the Starling case is Epstein 7. We have marked as
5 Epstein 8 a letter from an attorney by the name of
6 Ellis E. Neder, N-e-d-e-r, Jr., dated May 14, 1982.

7 We have marked as Epstein 9 a letter and
8 attachment from the law firm of Brown, Connery et
9 al. dated February 9, 1981. The attachment is a
10 report on John Grasso prepared by Dr. Epstein on
11 January 21, 1981.

12 We have marked as Epstein 10 a letter of
13 January 16, 1987, from the law firm of Brown Tyrell
14 (phonetic) et al. to Dr. Epstein, and attached is a
15 one-page report on Andrew Ski, S-k-i.

16 We have marked as Epstein 11 a letter
17 from the law firm of Philo, P-h-i-l-o, Atkinson et
18 al. to Dr. Epstein on the Earle case, E-a-r-l-e.
19 The letter is dated October 26, 1984.

20 We have marked as Epstein 12 a letter
21 from the same law firm dated February 3, 1984, to
22 Dr. Epstein on the case of Wall versus Hobart,
23 H-o-b-a-r-t.

24 We have marked as Epstein 13 a letter

1 from the law firm of Watkins, Boulware,
2 B-o-u-l-w-a-r-e et al. dated December 9, 1983, to
3 Dr. Epstein on the case of Strader versus Franklin.

4 We have marked as Epstein 14 a letter of
5 December 1, 1986, from the Philo law firm on the
6 case of Sutkaitis, S-u-t-k-a-i-t-i-s, versus
7 Goodyear; and attached to that is a two-page report
8 by Dr. Epstein regarding Mrs. N. Sutkaitis.

9 We have marked as Epstein 15 a letter of
10 January 16, 1985, from the law firm of Smith &
11 Goldstein on the case of the estate of Lucy Ferrara
12 versus Tenneco Chemicals; and attached to that is a
13 one-page report dated November 30, 1984, by
14 Dr. Epstein entitled Preliminary Report on
15 Mrs. Ferrara.

16 We have marked as Epstein 16 a letter of
17 July 9, 1981, from the law firm of Bogus & Bogus,
18 B-o-g-o-s, directed to Dr. Epstein on the Mikyska
19 case and attached to that are -- excuse me. It is
20 a three-page letter. There is no attachment.

21 Lastly as Epstein 17 we have marked a
22 letter from the law office of Stanley M. Rosenblatt
23 dated April 6, 1979, to Dr. Epstein on the Ellias
24 case; and attached to that is an affidavit by

1 Dr. Epstein of four pages in that same case.

2 Let me put those aside and I will take
3 them home with me and copy them and, Dr. Epstein, I
4 will send back to you as you have requested the
5 deposition transcripts in the Strader and Starling
6 cases.

7 THE WITNESS: Thank you.

8 MR. HOLLINGSHEAD: Now if we can I'd like to
9 turn to the tables that you have provided me with
10 as you've indicated would constitute a change in
11 what appears and what we have previously marked as
12 Epstein 4.

13 I'm looking now at the document entitled
14 Illustrative Literature on the Toxic and
15 Carcinogenic Effects of VC/PVC in the Respiratory
16 Tract of Exposed Workers.

17 BY MR. HOLLINGSHEAD:

18 Q. Can you tell me where the additions
19 appear in that document or the changes?

20 A. We'd have to go over this one --

21 MR. HOLLINGSHEAD: Why don't we mark that
22 first as Epstein 18.

23

24

1 (Whereupon, Deposition
2 Exhibit No. 18, Witness
3 Epstein, was marked for
4 identification.)

5 BY MR. HOLLINGSHEAD:

6 Q. Just so the record reflects, Dr. Epstein,
7 I understand that you have taken this page from the
8 exhibit --

9 A. Not this page. These several pages.

10 Q. -- these several pages from the exhibit
11 that had previously been marked as Epstein 4. You
12 updated them and then unfortunately you discarded
13 the earlier pages; is that correct?

14 A. Yes, having sent both you and
15 Mr. Levinson copies of the original document.

16 Q. Yes, I understand that. I would ask from
17 this point on that any exhibits that are left in
18 your possession remain as they are when they were
19 marked, all right?

20 A. Sure.

21 MR. HOLLINGSHEAD: Mr. Levinson, I do have
22 copies of this table so we will replace it into
23 that report.

24 MR. LEVINSON: All right.

1 BY MR. HOLLINGSHEAD:

2 Q. Let's look at this exhibit we have now
3 marked as Epstein 18 and compare it to my copy of
4 what was previously part of Epstein 4, and I would
5 ask you if you could point out the changes for us.

6 A. Thanks, and I will put a tick on these.

7 Q. You don't need to do that. If you can
8 just describe what the changes are.

9 A. Nothing on the first page.

10 Q. On the exhibit we just marked as Epstein
11 18, you put a check mark on the second page to a
12 study by Byren, B-y-r-e-n, and that's a new
13 addition; is that right?

14 A. Yes. There's a new one, Bufler, et al.,
15 B-u-f-l-e-r, and a new one, International Agency
16 for Research on Cancer, '79. Then Infante, '81.

17 Q. And that's on page 4.

18 A. Then International Agency for Research on
19 Cancer, page 5 -- that's 1987. And then Doll, '88,
20 page 5. All that's all the additions I have ticked
21 on Epstein 18.

22 Q. Now you have also got a document entitled
23 State of the Art on Residual CV in PVC Prior to
24 1975.

1 Is that a new document or is that an
2 update of something that was in Epstein 4?

3 A. Well, I think these references were --
4 these people were probably cited in paperwork in
5 Epstein 4, but I just put them in the table to
6 systematize this information.

7 Q. Did you take anything else out of Epstein
8 4?

9 A. No.

10 MR. HOLLINGSHEAD: Can we mark this then as
11 Epstein 19.

12 (Whereupon, Deposition
13 Exhibit No. 19, Witness
14 Epstein, was marked for
15 identification.)

16 MR. HOLLINGSHEAD: Lastly you provided me with
17 a one-page document entitled at the top Infante et
18 al., 1981.

19 BY MR. HOLLINGSHEAD:

20 Q. Can you tell me what that shows?

21 A. It's just a table from his paper on
22 respiratory cancer in workers exposed to VC.

23 MR. HOLLINGSHEAD: Mark that as Epstein 20,
24 please.

1 (Whereupon, Deposition
2 Exhibit No. 20, Witness
3 Epstein, was marked for
4 identification.)

5 BY MR. HOLLINGSHEAD:

6 Q. Dr. Epstein, is there another page within
7 Epstein 4 that you think you may have altered in
8 some fashion?

9 A. Yes, I think I made an addition on State
10 of the Art on VC/PVC Toxicology Prior to 1974. I'd
11 like to check on that if I may.

12 Q. I will provide you with the copy that I
13 have.

14 A. One minor thing under the animal data for
15 Viola. I have handwritten in my copy at the end of
16 the handwritten material in your copy in parens:
17 "At 250 ppm admitted to NIOSH, 1973."

18 Q. I also note that what you did was to
19 retype this page?

20 A. That's right.

21 Q. What appears in handwriting on the copy
22 that I have, which was marked two weeks ago, has
23 now been turned into print.

24 A. Yes, the Viola '70, the Tribukh '49, and

1 the NIOSH '73.

2 MR. HOLLINGSHEAD: Just so we can distinguish
3 one from the other, I want to mark this as a
4 separate exhibit.

5 THE WITNESS: Let me have a copy of it,
6 please.

7 MR. HOLLINGSHEAD: Yes, we will. Let's mark
8 this as Epstein 21.

9 (Whereupon, Deposition
10 Exhibits 21 and 22, Witness
11 Epstein, were marked for
12 identification.)

13 MR. HOLLINGSHEAD: For the record let me
14 describe what we have done.

15 We have managed to put back in its
16 entirety the document that was marked as Epstein 4
17 at the last deposition by taking copies of some of
18 my pages and reinserting them in Dr. Epstein's
19 original copy, and we have taken out of that volume
20 the two new versions of the pages that appear in
21 Epstein 4.

22 And we have marked as Epstein 21 the new
23 two-page document entitled State of the Art on
24 VC/PVC Toxicology Prior to 1974, and we have marked

1 as Epstein 22 the now five-page document entitled
2 Illustrative Literature on the Toxic and
3 Carcinogenic Effects of VC/PVC in the Respiratory
4 Tract of Exposed Animals (sic).

5 MR. LEVINSON: Let the record reflect that all
6 the documents that have been offered for
7 identification at this particular deposition of
8 Dr. Epstein both today and on the prior day shall
9 be considered for all purposes as amendments to my
10 answers to interrogatories propounded by
11 Mr. Hollingshead.

12 MR. HOLLINGSHEAD: I note Mr. Levinson's
13 statement. I have some concern, however, that at
14 the last deposition I was provided with new
15 material. I am now being provided with new
16 material today. I don't think it presents any
17 insurmountable problem, but I don't wish to get
18 into a prolonged discussion about it now.

19 MR. LEVINSON: If you want anything that you
20 haven't been provided with, just let me know and I
21 will try to get it for you.

22 THE WITNESS: I should merely comment that I
23 have been working on these the last few days.

24 MR. LEVINSON: I don't follow what particular

1 items that you would like that we haven't provided
2 you with.

3 MR. HOLLINGSHEAD: It's not a question. I
4 have a letter from you, Alfred, of some time ago
5 that says that Dr. Epstein would be relying upon
6 his preliminary report and I should consider it to
7 be final.

8 I then came to his deposition on
9 December 1 and we both were provided with new
10 material by Dr. Epstein which became a supplemental
11 report --

12 MR. LEVINSON: Oh, I see.

13 MR. HOLLINGSHEAD: -- which I have now
14 reviewed in preparation for today, and admittedly
15 what he has given us today as anything supplemental
16 is relatively minor but nonetheless it is new
17 again --

18 MR. LEVINSON: I see.

19 MR. HOLLINGSHEAD: -- when I thought we were
20 already done with --

21 MR. LEVINSON: I see your point. I'm sorry.

22 MR. HOLLINGSHEAD: In terms of discovery, et
23 cetera, there's nothing outstanding that I'm aware
24 of that you owe me.

1 MR. LEVINSON: Okay, but let me say this. I
2 will cooperate with you entirely. If after today
3 you want any additional information by written
4 interrogatories, I'll be happy to give them to you.

5 MR. HOLLINGSHEAD: I understand that. I
6 appreciate it. I think we can work through this.

7 Dr. Epstein, let's -- let me hold these
8 for now.

9 At the last deposition, Dr. Epstein, you
10 provided me with a letter from Mr. Levinson to you
11 dated April 18, 1988, which we marked as Epstein 3,
12 and I want to clarify something.

13 That letter contains some information
14 with regard to Mr. Peterson's work history and
15 exposure, but I recall that there was other
16 information supplied to you at some point which I
17 believe you said you either discarded or returned
18 to Mr. Levinson, one or the other.

19 BY MR. HOLLINGSHEAD:

20 Q. Am I correct in that recollection?

21 A. I think you will recall that we discussed
22 this in great detail and I said that the material I
23 received from Mr. Levinson I incorporated in my
24 notes on the occupational history and then

1 discarded them.

2 MR. HOLLINGSHEAD: Okay, and you discarded
3 whatever you received from Mr. Levinson.

4 Therefore, Mr. Levinson, you and I when
5 we go back to New Jersey I'd like to follow up on
6 this to try to obtain from you a copy of whatever
7 other information you sent to Dr. Epstein which
8 provided information on Mr. Peterson's work history
9 and exposure.

10 And in particular, Dr. Epstein, if I
11 recall correctly there was information in asbestos
12 exposure in that submission to you by Mr. Levinson;
13 is that right?

14 A. Not in that submission. In a later
15 submission.

16 MR. LEVINSON: Didn't I give you a set of
17 the -- or was that included in Dr. Epstein's?

18 MR. HOLLINGSHEAD: It's in neither place.
19 It's not in 4 and he doesn't have it.

20 MR. LEVINSON: I found it in the office, and
21 the reason I didn't provide you with it was I just
22 assumed it was in this document.

23 MR. HOLLINGSHEAD: No, what is in that
24 document is his handwritten summary in effect --

1 MR. LEVINSON: I'll be happy to give it to
2 you. I've got it right in my file.

3 MR. HOLLINGSHEAD: Dr. Epstein, if you would
4 turn to Epstein 4 and in particular the summary
5 sheet that you labeled O.H., which I understand
6 stands for occupational history, I've had the
7 opportunity now to look over this material. I only
8 have a couple of questions with regard to this
9 document.

10 THE WITNESS: Sure. .

11 BY MR. HOLLINGSHEAD:

12 Q. When Mr. Peterson was at Leam (phonetic)
13 Manufacturing Company from 1962 to 1967, you listed
14 that he had no asbestos or --

15 A. Silica.

16 Q. Thank you -- silica exposure and then the
17 next item is no, I believe --

18 A. No maintenance or cleaning kiln.

19 Q. No maintenance or cleaning kiln, k-i-l-n,
20 and that's underlined.

21 Is there a reason why you made a note of
22 that particular lack of activity on Mr. Peterson's
23 part?

24 A. Sure, because had he done any maintenance

1 or cleaning of the kiln, there would have been a
2 possibility of asbestos or silica exposure.

3 Q. You were looking for that as part of his
4 work history?

5 A. Certainly.

6 Q. What would the concern be for either
7 asbestos or silica exposure if you had found it
8 when he was at Leam Manufacturing Company?

9 A. I would have noted it accordingly and I
10 would taken it into account in the assessment of
11 his subsequent medical events.

12 Q. Is there literature, scientific
13 literature or medical literature, to your knowledge
14 relating silica exposure to laryngeal cancer?

15 A. No.

16 Q. But there is with asbestos if I recall
17 your testimony correctly?

18 A. Yes.

19 Q. Have you been able to determine from any
20 source as to not exactly but approximately how much
21 exposure Mr. Peterson had to asbestos while he
22 worked at the ATC plant?

23 A. I described it to you last time in
24 qualitative terms. That's the sum total of the

1 information that I have.

2 Q. Turning now to the document that you
3 provided to me at the last deposition which, if you
4 go along in Exhibit Epstein 4, this is a document
5 entitled Factors Incriminating VC/PVC as the
6 Primary Cause of Peterson's Laryngeal Cancer.

7 What caused you to write this particular
8 page which came about after the submission of your
9 preliminary report in this case?

10 A. Well, as I mentioned the preliminary
11 report was a hurried response to a rapid deadline
12 imposed on me by Mr. Levinson and I continued work
13 subsequent to that, and the object of this was to
14 summarize the data with particular reference to
15 VC/PVC, which I felt to be the major factor.

16 Q. What caused you to conclude that the
17 exposure to VC/PVC was the major or as you say
18 on this page the primary causal factor of
19 Mr. Peterson's laryngeal cancer?

20 A. For the seven reasons so stated on this
21 page.

22 Q. Without getting into that at the moment,
23 it is true, is it not, that Mr. Peterson had an
24 exposure to asbestos in at least the first several

1 years of his employment at ATC?

2 A. That's not what I said last time. What I
3 said last time was the exposure was primarily in
4 the first six months. Subsequent to the first six
5 months his exposure was extremely minimal; and
6 changing insulation rope that once or so a year on
7 the doors of the asbestos boiler and, in fact, even
8 in the first six months the repairing of the brake
9 lining was not a frequent job and as I said only in
10 the first six months.

11 Q. But it is true that there is literature
12 support for the proposition that exposure to
13 asbestos can result in laryngeal cancer, is there
14 not?

15 A. Yes, correct.

16 Q. Is it also true that other than the
17 Tabershaw study that you have indicated that you
18 talked about the last time, there's no other
19 literature support for the proposition that
20 laryngeal cancer has been related to exposure to
21 PVC?

22 A. Well, first of all it's not the
23 Tabershaw. It's three documents. There's
24 Tabershaw, there's Tabershaw and Gaffey, and --

1 unpublished reports, and there's a Tabershaw and
2 Cooper published paper.

3 Q. Including all of the Tabershaw papers,
4 that's the only support that you have put forth
5 for -- literature support for the proposition that
6 there has been a finding of a connection between
7 laryngeal cancer, that specific cancer, and
8 exposure to PVC?

9 A. No, not at all. There is a wide range of
10 reports on respiratory tract cancers --

11 Q. My question was the specific cancer of
12 laryngeal cancer.

13 A. Well, you interrupted me.

14 There's a substantial number of reports
15 on respiratory system or respiratory tract cancers
16 which will include laryngeal cancers of which
17 there's no breakdown, so we don't know how many of
18 those are laryngeal and how many of these are lung
19 cancers.

20 Q. But the only study that in fact -- the
21 only studies that in fact talk in specifics about
22 laryngeal cancer would be the Tabershaw studies as
23 you have indicated?

24 A. With that one qualification I have just

1 so indicated and with also the qualification
2 there was a coworker of Mr. Peterson, maybe
3 Mr. Wilkinson, who also developed laryngeal cancer.

4 MR. HOLLINGSHEAD: Some of this I appreciate
5 we may have gone over to some extent the last time,
6 but because the interim provided me with the time
7 to read what was new to me the last time I want to
8 go back over a couple of items.

9 In your paragraph 2 of this same document
10 which you produced at the last deposition, Factors
11 Incriminating VC/PVC, et cetera, you talk about
12 Mr. Peterson having been continually exposed to VC
13 from several sources. One of them is high
14 concentrations from degassing of PVC pellets and
15 dust in hoppers, cars, bags, and storage areas.

16 BY MR. HOLLINGSHEAD:

17 Q. Were you ever able to determine the
18 actual concentrations at which he was exposed?

19 A. Well, no. In spite of Union Carbide's
20 recommendation in '74 that the atmosphere should
21 have been monitored to detect levels of VC and
22 prevent injury to workers, Union Carbide failed to
23 do any monitoring whatsoever prior to '74. We have
24 some post '74 monitoring data which I discussed

1 with you last time.

2 Q. Do you have information that was provided
3 to you from any source that would indicate that
4 Mr. Peterson was in fact working in the hoppers or
5 cars as you state in this paragraph?

6 A. No, other than the fact that he was a
7 maintenance worker and therefore could have been
8 exposed in any area he went into.

9 Q. All right, but on a regular occurring
10 basis it's your understanding that his exposure to
11 PVC occurred largely in the bagging area; is that
12 correct?

13 A. Correct.

14 Q. Now is the bagging area different --

15 A. Excuse me. That's not the case. In the
16 bagging area but also even after the bagging area
17 from degassing of dust on his clothes --

18 Q. No, I asked you where he worked.

19 A. Oh, I see. Okay.

20 Q. Do you have information that he worked in
21 the storage area of the ATC facility?

22 A. You have already asked me about the
23 hoppers, cars, bags, and storage areas. I have
24 responded by saying that as a maintenance worker he

1 would periodically be -- go into all areas in the
2 plant requiring maintenance work.

3 Q. I don't want to quibble with you but my
4 earlier question dealt only with hoppers and cars.

5 A. Fine, okay.

6 Q. You mentioned also in the same paragraph
7 that there were high concentrations from thermal
8 degradation of PVC pellets and dust during heat
9 sealing and welding. I believe we went over this
10 last time in a more general context, but since this
11 document is new again I just want to come back to
12 that.

13 The thermal degradation of PVC results in
14 what type of fumes?

15 A. It depends entirely what you're dealing
16 with. If you're dealing with a PVC film, the major
17 degradation products would be HCl and a variety of
18 other materials ranging from benzene, carbon
19 monoxide, phosgene, et cetera.

20 Q. HCl is --

21 A. Hydrochloric acid or hydrogen chloride.
22 However, when you're dealing with pellets and dust,
23 particularly with the pre '75 pellets and dust, the
24 major source of -- a very major source of exposure

1 would be vinyl chloride.

2 Q. To what percentage would that be a major
3 source?

4 A. It's very difficult to say except I
5 indicated that this will depend on a wide range of
6 factors which were discussed with you in extenso by
7 Dr. Davidson.

8 And in the State of the Art on Residual
9 VC in PVC, the actual residual VC levels in the PVC
10 would be an important factor.. The thickness of the
11 originating material, the PVC; the size of it, the
12 presence of catalyst, a whole range of physico-
13 chemical factors would also influence the release
14 of VC from PVC.

15 One can say that minimally the VC levels
16 would be the levels which you find from degassing
17 absent thermal degradation, but with thermal
18 degradation there would be virtually an
19 instantaneous release of these very high levels.

20 Q. When you talk about, as you just have --
21 when you talk about the major components of thermal
22 degradation of PVC pellets resulting in an emission
23 of VC fumes, on what are you relying for that
24 statement?

1 A. Well, there's a -- first of all you'll
2 find a note from Boettner in the State of the Art
3 on Residual VC on the emissions of residual VC from
4 PVC and that these are maximal at temperatures of
5 280 to 350.

6 There's a variety of papers. Simmonds et
7 al., '49, talks about as early as 1949 Union
8 Carbide knew that residual VC was released from VC
9 (sic) during processing, calendering, moldering,
10 and extrusion at temperatures from 100 to 200. So
11 there's a big literature prior to '75 on release of
12 VC from PVC by heat treatment. This was discussed
13 among other things by Dr. Davidson.

14 And as far as the actual levels are
15 concerned, we don't -- I can't provide you with
16 specific levels at ATC because of Union Carbide's
17 failure monitor contrary to its own recommendations
18 in 1974, and I quote: "Resin users must monitor
19 the work space air VC concentrations so that they
20 could take appropriate action to protect their
21 employees".

22 MR. HOLLINGSHEAD: I move to strike every part
23 of that answer. That was not responsive to my
24 question.

1 My question deals with the source of your
2 information that on thermal degradation of PVC the
3 major component that is given off is VCM. I
4 believe you have referred to various references
5 that are in your -- that are contained within
6 Epstein 4 on the page entitled State of the Art on
7 Residual VC in PVC Resin to 1975.

8 THE WITNESS: 1975, yes.

9 MR. HOLLINGSHEAD: Now --

10 THE WITNESS: And the additional point I made
11 was that Dr. Davidson discussed this in detail in
12 his deposition and also in his document.

13 MR. HOLLINGSHEAD: I agree that he did.

14 BY MR. HOLLINGSHEAD:

15 Q. Is it your testimony that upon thermal
16 degradation of PVC pellets as they were at the ATC
17 plant, the major ingredient that is given off is
18 not hydrogen chloride?

19 A. I didn't say that. I said a major
20 ingredient.

21 Q. I'm asking you what size is the
22 percentage if you know of the ingredient of VCM
23 that is given off upon thermal degradation of these
24 PVC pellets?

1 A. I suggest you refer that question to
2 Dr. Davidson. That's more his area of expertise.
3 I'm merely aware that high levels are given off
4 upon thermal degradation. The specifics of this
5 you should discuss with an industrial hygienist.

6 Q. You would rely upon Dr. Davidson's
7 testimony in that regard?

8 A. Among other things. Among that and also
9 the literature.

10 Q. But if Dr. Davidson were to give a
11 percentage of the amount of hydrogen chloride as
12 compared to VC that is given off upon thermal
13 degradation, you would accept that as
14 authoritative, wouldn't you?

15 A. This is a straw man. You asked me about
16 major emissions. I said a major emission is VC.
17 I'm certainly not precluding hydrochloric acid. I
18 would think it's very likely that hydrochloric acid
19 is also a major component.

20 We do know that after the calendering,
21 after the welding, Peterson had to leave the room
22 because of the choking and it's clear that
23 hydrochloric acid was given off. I don't think
24 there's any question that hydrochloric acid would

1 be given off.

2 You clearly have misunderstood the point
3 that I made: That when we're dealing with PVC
4 film, VC emission is not a major component. HCl is
5 the predominant major component when it comes to
6 PVC pellets. In addition to HCl and all the other
7 factors which are given off from PVC film, there
8 will be very high emission levels of vinyl
9 chloride.

10 Q. Why is there a difference between PVC
11 film and PVC pellets to your understanding?

12 A. Well, there's an overwhelming difference
13 for very obvious reasons. There's high levels of
14 residual VC in PVC resins and dust particularly
15 those prior to 1975.

16 And there's very, very low minimal levels
17 in VC film -- in PVC film because of the PVC -- the
18 VC will constantly evaporate from the film and the
19 film is so very, very thin that the measured levels
20 of VC are minuscular.

21 Q. Is this also true with regard to thermal
22 degradation of PVC upon welding, which is also
23 contained within your paragraph?

24 A. Correct.

1 Q. So your testimony with regard to what is
2 given off and to what extent it's given off remains
3 the same whether it's from the heat-sealing process
4 or the welding process?

5 A. That's what the report states.

6 Q. That's all I'm trying to find out.

7 In Paragraph 4 on that same page where
8 you talk about VC being a multipotent carcinogen in
9 roads and exposed workers, et cetera, is this a
10 summary of what is contained in your table that
11 appears several pages later or tables with regard
12 to the illustrative literature on the effects of
13 VC/PVC in both animals and workers?

14 A. Sure --

15 (Discussion off the record.)

16 THE WITNESS: The answer is sure with respect
17 to the respiratory tract tumors. It's no with
18 respect to the multipotent carcinogenicity.

19 The tables that follow deal specifically
20 with respiratory tract effects. The multipotent
21 carcinogenicity is referred to incidentally, but
22 the tables don't deal with the multipotency per se
23 as opposed to respiratory tract per se.

24

1 BY MR. HOLLINGSHEAD:

2 Q. What studies do you rely upon for the
3 multipotency effect that's cited in paragraph 4 of
4 this page?

5 A. These are legion. They're not dealt with
6 in the tables that follow but you'll find them and
7 with references -- some early references under the
8 report on Harry Wilkinson and the attachments, the
9 appendix attachments.

10 Q. So they are contained within the document
11 we have marked as Epstein 4?

12 A. Yes, and also in the two tables there's
13 periodic incidental reference to multipotency.

14 Q. In paragraph 5 of this -- on this page
15 you talked about adenocarcinomas and large cell and
16 differentiated carcinomas in exposed workers.

17 Just so I understand how this relates to
18 the rest of the document, what studies or what
19 particular exposed workers are you talking about in
20 that paragraph 5?

21 A. In workers exposed to PVC dust.

22 Q. And which studies are you referring to?

23 A. There's about four or five studies in the
24 table.

1 Q. Contained in the table, right?

2 A. Sure.

3 Q. Then you also refer to laryngeal cancers
4 in VC/PVC-exposed workers, and I'm assuming that in
5 general, without regard to the ATC bagger, in
6 general you're referring to those who were
7 mentioned or studied in the Tabershaw studies?

8 A. Correct.

9 Q. And then the ATC bagger that is mentioned
10 in this paragraph 5 refers to Mr. Wilkinson; is
11 that right?

12 A. Yes.

13 Q. Now Mr. Wilkinson was both a bagger and a
14 bag room utility worker, so that reference is just
15 to him; is that --

16 A. Correct.

17 Q. With regard to the chart that is entitled
18 Illustrative Literature on the Toxic and
19 Carcinogenic Effects of VC/PVC in the Respiratory
20 Tract of Experimental Animals, first let me ask
21 whether or not you've made any changes in this
22 chart. I understand the answer to that should be
23 no, but --

24 A. I don't think I have, no.

1 Q. Do you want to check it with my copy?

2 A. Might as well take a quick look at it. I
3 don't believe I have.

4 Lucky we did.

5 Q. Is there a change?

6 A. Yes.

7 MR. LEVINSON: What is this the doctor is
8 reviewing?

9 MR. HOLLINGSHEAD: He's looking at my copy of
10 what is contained in the exhibit we marked as
11 Epstein 4, and I have asked if he would compare it
12 with the current copy of Epstein 4 just to be sure
13 he did not change that, and I believe he perhaps
14 has found that he did.

15 THE WITNESS: Yes, there is one addition:
16 Cornish, C-o-r-n-i-s-h, and Abar, A-b-a-r, 1969,
17 dealing with rats' inhalation of fumes from heated
18 PVC producing interstitial edema and focal
19 hemorrhages in the lungs.

20 MR. HOLLINGSHEAD: Why don't we pull that out
21 and replace it with a copy and we'll mark this as a
22 new exhibit.

23 I'm going to ask the reporter to mark
24 this revised table on experimental animals as the

1 next exhibit, and we'll make a copy of the old page
2 and place it back into the book.

3 (Whereupon, Deposition
4 Exhibit No. 23, Witness
5 Epstein, was marked for
6 identification.)

7 BY MR. HOLLINGSHEAD:

8 Q. Adenocarcinomas occur in the lung, don't
9 they?

10 A. Yes. Well, they occur many places.

11 Q. On this chart when it refers to an
12 adenocarcinoma with the designation of AC, is that
13 supposed to indicate any particular location for
14 that cancer?

15 A. Yes, the heading for that as you see is
16 pulmonary effects. That's effects in the lung.

17 Q. What is the disease of fibrosis very
18 briefly if you will?

19 A. It's basically just scarring.

20 Q. And the disease of granuloma?

21 A. It's chronic inflammatory reaction
22 generally characterized by formation of nodules and
23 multinucleate giant cells associated with fibrosis.

24 Q. And what is adenoma?

1 A. Adenoma is a premalignant -- or it's a
2 tumor of the lung which hasn't progressed to
3 malignancy yet.

4 Q. Can you describe pneumoconiosis for me?

5 A. Yes, pneumoconiosis is a chronic
6 inflammatory condition of the lung generally
7 associated with fibrosis and granular nodulation
8 and granuloma formation.

9 Q. Pneumoconiosis is normally associated
10 with dust in some form, isn't it?

11 A. Correct, yes.

12 Q. Can any form of dust cause -- potentially
13 cause pneumoconiosis?

14 A. That's my understanding.

15 Q. Would something even not chemically
16 related, for instance, something such as road dust
17 or dirt cause pneumoconiosis or could it?

18 A. At high concentrations it could, but
19 generally there has to be a -- there's generally an
20 inflammatory role, element to pneumoconiosis. But
21 to all intents and purposes dusts can also cause
22 pneumoconiosis at high concentrations.

23 Q. With regard to the reference to Frongia
24 in 1974, in the comments section there's a comment

1 that says, quote, "Exposed with workers bagging PVC
2 (Wagoner, '77)."

3 Can you explain that comment?

4 A. Certainly. This study, the Frongia et
5 al. study, took rats and guinea pigs and exposed
6 them in the area where workers were bagging PVC.
7 So these animals like the workers both developed
8 fibrosis nodulation granulomas.

9 Q. Were any -- strike that.

10 Obviously some of these tests were
11 inhalation studies, correct?

12 A. The overwhelming majority. There were
13 only two that were not in that table.

14 Q. Which two were not and what type of
15 exposure were they?

16 A. The Agarwal, A-g-a-r-w-a-l, et al., '78.
17 This was intratracheal injection. And the Feron et
18 al., '81, was either gavage -- that means putting a
19 tube down into the stomach -- or incorporation of
20 the PVC in the diet.

21 Q. In the chart on the illustrative
22 literature with regard to exposed workers, on the
23 Tabershaw studies on the first page there is a
24 reference in the column entitled Respiratory Tract

1 Effects to a #3354.

2 Could you just explain that for me?

3 A. That's the code number in the Tabershaw
4 paper.

5 Q. For the individual who was found to have
6 an extrinsic laryngeal cancer?

7 A. Correct.

8 Q. And on the Comments side the note at the
9 end of that lists "respiratory system cancers, ICD
10 equals 160 - 164."

11 What does that mean?

12 A. That's an international code for --
13 tumors internationally are coded with a coding
14 number. That's -- 160 to 164 is respiratory tract
15 or respiratory system.

16 Q. Following that same answer, laryngeal
17 cancer must be Item 161 in the code; is that --

18 A. Correct, yes.

19 Q. Would you remind me again, please, as to
20 what workers were exposed in the Tabershaw
21 studies? What was their occupation?

22 A. These were basically VC workers to the
23 best of my recollection.

24 Q. At the manufacturing end as compared to

1 the bagging or storage end one might say do you
2 recall?

3 A. To be quite frank this is a whole series
4 of 19 industries -- based on 19 industries and I
5 believe they were -- they included PVC. It was a
6 VC/PVC set of studies.

7 Q. Before we leave this particular document,
8 which is the illustrative literature regarding
9 exposed workers, let me ask you about the Doll
10 study which is now one of the items on this
11 five-page document.

12 I take it that after we had our first day
13 of deposition you went and located the Doll study
14 because I believe you were not familiar with it at
15 that time.

16 A. Correct.

17 Q. What is it that you found with regard to
18 the Doll study?

19 A. Well, it is summarized in my table if
20 you'd like to give me a copy of my table.

21 Doll reviewed the literature and among
22 other things confirmed the fact that there was an
23 excess of respiratory tract cancers in the exposed
24 workers.

1 I should also point out that the Doll --
2 with regard to respiratory tract systems, there was
3 a wide range of omissions in the references,
4 critical omissions in the references cited by Doll.
5 He failed to refer to the Waxweiler '76 study, the
6 Wagoner et al. '80 study, the Waxweiler et al. '81,
7 the Wagoner '83, and the Infante '81 studies.

8 As far as respiratory tract cancer, I
9 would categorize it as once over lightly with
10 omission of the critical documentation. In spite
11 of that, he recognized higher risks for lung cancer
12 in heavily exposed workers.

13 Q. What conclusions or findings did he come
14 to other than that?

15 A. Well, he -- it was a review of the whole
16 area of the epidemiology of VC/PVC and I quote
17 here: _

18 "No positive evidence of a hazard
19 of nonmalignant disease or any type of
20 cancer other than angiosarcoma of the
21 liver has been found except possibly
22 for a small hazard of lung cancer when
23 exposure was heavy.

24 "There are, however, consistently

1 higher risks in the subgroups of men
2 in the U.S. and U.K. series in which
3 occupational hazards would be more likely.
4 That is, men employed for more than ten
5 years exposed to higher than average
6 concentrations or observed more than
7 twenty years after first exposures.

8 "The mortality from lung cancer
9 has been rising throughout the period
10 of observation."

11 I should also note that Doll's
12 conclusions on the lack of evidence of other
13 diseases besides angiosarcoma of the liver and lung
14 cancer and nonmalignant disease are in striking
15 contrast to a wide range of governmental studies in
16 the United States, in striking contrast to the
17 conclusions of innumerable international experts
18 representing academia, industry, labor, and
19 government and a branch of the WHO dealing with
20 cancer; namely, the International Agency for
21 Research on Cancer.

22 So Doll is way out on left base in this
23 area, and some of the additional references that I
24 cite in the amended table -- for instance, the IARC

1 '87 and the IARC '79 -- indicates how isolated Doll
2 is.

3 I also note that the Doll paper is also
4 characterized by an absence of reference to any
5 source of research support. This is particularly
6 interesting as Green College where Doll works has
7 been partially financed by industry including
8 Monsanto and this is to me of particular interest.
9 It is customary when you write scientific articles
10 based on research of one kind or another to cite
11 source of research support.

12 So not only is he way out on left base --
13 field in reference to some of the references I
14 cited, not only does he fail to cite critical
15 references such as Waxweiler et al., he also
16 recognizes the lung cancer but also he doesn't
17 reflect his source of research support.

18 Q. He found -- if I recall the paper right,
19 he found that there was a specific hazard of
20 angiosarcoma of the liver to men -- for men
21 occupationally exposed to vinyl chloride, correct,
22 and he also --

23 A. That was found twenty, thirty years
24 before --

1 Q. Well, he's not finding anything original.
2 He's doing a review of the literature, isn't he?

3 A. He's doing a partial -- a highly
4 selective review of the literature.

5 Q. By that I only wanted to indicate for the
6 record that he was not conducting original research
7 as I recall the paper.

8 A. Correct.

9 Q. Is there a listing in the Doll report as
10 to -- or the Doll paper as to other occupational
11 hazards that men exposed to vinyl chloride may have
12 had?

13 A. He says there's no positive evidence of
14 nonmalignant disease.

15 Q. And you take issue with that statement
16 based upon the studies that you have supplied to me
17 in the course of two days of your deposition?

18 A. It's a statement that is in total
19 contrast to a vast body of documentation.

20 Q. Can I see the table again.

21 A. Sure.

22 MR. HOLLINGSHEAD: Now the other new papers
23 that were included on here -- if we can just take a
24 moment to find them. Off the record.

1 (Discussion off the record.)

2 MR. HOLLINGSHEAD: For the record in looking
3 at what we have marked this morning, there's some
4 confusion. Apparently I have marked the same
5 exhibit twice. Both Epstein 18 and Epstein 22 are
6 the same with the exception that Epstein 18 has the
7 check marks that Dr. Epstein put on the new
8 references for this table, which is what I am
9 turning my attention to now.

10 THE WITNESS: Turn to page 2 --

11 MR. HOLLINGSHEAD: This is the first new
12 reference and this is --

13 THE WITNESS: Byren et al., '76. That's
14 merely an excess of lung cancer.

15 BY MR. HOLLINGSHEAD:

16 Q. Were you not aware of Byren prior to the
17 deposition two weeks ago?

18 A. Yes, sure, I was aware of that.

19 Q. Is there a reason why it was not cited at
20 that time?

21 A. No particular reason. The table wasn't
22 claimed to be totally comprehensive.

23 Q. What can you tell me about the Byren
24 study?

1 A. It was nearly one of many studies
2 confirming the excess of lung cancer in VC/PVC
3 workers.

4 Q. Do you recall -- this document appears to
5 indicate it was an SMR study?

6 A. Yes.

7 Q. Do you recall what the expected
8 proportion would have been as compared to the
9 actual proportion --

10 A. You mean the observed to the expected?

11 Q. Yes.

12 A. No, I don't recall.

13 Q. Was it significant do you know,
14 statistically significant?

15 A. I'm not sure. I doubt it. It's
16 biologically significant but probably not
17 statistically significant.

18 Q. What's the next one?

19 A. Bufler et al., '79. This was a
20 statistically significant excess of respiratory
21 tract cancers in VC workers and particularly in
22 more heavily exposed workers, and this was a
23 particularly important paper because the excess of
24 respiratory tract cancers persisted after

1 adjustment for smokers.

2 Q. That means that once somebody balanced
3 the study to take into account smokers, there was
4 still a statistically significant excess of lung
5 cancers -- I'm sorry, respiratory cancers?

6 A. Yes, correct.

7 The next is International Agency for
8 Research on Cancer, '79. This is a review of the
9 literature prior to 1978 on VC/PVC in animals and
10 humans. The report was prepared by a working group
11 of twenty international experts representing
12 academia, industry, labor, and government together
13 with the Secretariat of the IARC, which as I
14 mentioned before is a branch of the World Health
15 Organization dealing specifically with cancer.

16 First of all they stated vinyl chloride
17 is a human carcinogen. Its target organs are the
18 liver, brain, lungs, and haemo-lymphopoietic
19 system. This incidentally is in striking contrast
20 to the contrary and isolated statements of Doll.

21 IARC goes on to say there's no evidence
22 there's an exposure level below which there's no
23 increased risk of cancer in humans. IARC also goes
24 on to -- there's a statement that IARC reviewed the

1 literature demonstrating fibrotic lung changes,
2 pneumoconiosis, and reduced lung function in
3 workers exposed to PVC dust.

4 Q. Dr. Epstein, when you see the phrase
5 target organs or you use it yourself, how do you
6 employ that phrase?

7 A. When you talk about multipotency, that
8 means that a carcinogen can induce tumors in a
9 variety of organs. Then generally one lists what
10 the predominant organs are and you refer to them as
11 target organs.

12 Q. And the IARC report in '79 lists liver,
13 brain, lung, and haemo-lymphopoietic?

14 A. Yes.

15 Q. Did the report conclude whether or not
16 the larynx was a target organ for vinyl chloride?

17 A. There was no discussion of larynx, no
18 reference to larynx.

19 Q. What is the next new one that you have
20 listed?

21 A. In this connection you may recall that
22 the only publication by Tabershaw would have been
23 the Tabershaw and Gaffey paper on general
24 occupational medicine which to the best of my

1 recollection only referred to one laryngeal
2 cancer. The other industry studies were never
3 published and IARC only deals with published
4 studies. The next it Infante '81 --

5 Q. Could we hold that for a second?

6 A. Sure.

7 Q. If an agency such as IARC was aware of
8 the existence of an unpublished study, would they
9 include it in your experience?

10 A. In general the answer is no, they would
11 not.

12 Q. Is there a reason for that?

13 A. Because they only cite published data
14 from the literature which are available to
15 scientists, the scientific community.

16 Q. Published studies would go through some
17 form of peer review, would they not?

18 A. Not necessarily.

19 Q. No?

20 A. Some do, some don't. Depends what
21 journal it is. All you can say is unpublished
22 studies certainly don't have any peer review.

23 Q. And therefore are they considered less
24 authoritative by members of the medical or

1 scientific community?

2 A. Not necessarily. They can vary in
3 quality. Some may be highly reliable. Others may
4 be highly manipulated.

5 Q. What is the next new study?

6 A. Infante '81. This is a review and seven
7 of eight studies which he reviewed showed -- quote,
8 "showed excess risks of lung cancers ranging from 7
9 to 200 percent." Data from two of the studies
10 suggested a qualitative dose-response relationship
11 between exposure and lung cancer risk.

12 In the one remaining study, which was
13 negative, the short duration of followup limited
14 the validity of any inferences that could be
15 developed.

16 The epidemiological evidence -- Infante
17 summarizes by saying the epidemiological evidence
18 demonstrates that the carcinogenic effects of VC in
19 humans extend beyond the liver. The brain and the
20 lungs should also be considered target organs.

21 And workers exposed to VC demonstrated a
22 significant excess of mortality for lung cancer,
23 and in fact he also stressed the sensitivity of the
24 epidemiological studies reflecting small cohorts

1 and inappropriate methodologies, et cetera.

2 Q. With regard to a study such as this one,
3 which is a review I will note, or with regard to a
4 paper such as this one that talks about an excess
5 of lung cancers, does that have particular
6 significance in your mind with regard to the
7 Peterson litigation and the fact that Mr. Peterson
8 contracted laryngeal cancer?

9 A. Well, it only has it insofar as that
10 respiratory tract or respiratory system cancers
11 include laryngeal. If a study specifically refers
12 to lung cancer as opposed to respiratory tract
13 cancers, its relevance would only be an expression
14 of the fact that the respiratory tract per se
15 including the lung is sensitive to these
16 carcinogenic effects.

17 The other point of course that should be
18 made is that lung cancer is very, very much more
19 common than laryngeal cancers and one would not
20 expect -- and if indeed these studies are
21 insensitive to even common cancers such as lung
22 cancer, the sensitivity to relatively rare cancers
23 would be of a very low order.

24 Q. Laryngeal cancer is one of those that are

1 relatively rare?

2 A. Yes.

3 Q. I think you gave a figure of 8.5 for
4 hundred thousand?

5 A. Superb.

6 Q. What's the incidence for lung cancer?

7 A. More than ten times that.

8 Q. The next new item on the table?

9 A. The next new one is IARC 1987 and this
10 updated the IARC '79.

11 And this is a report reported by a
12 working group of 35 international experts including
13 the Secretariat of IARC and basically stresses
14 again the multipotency of vinyl chloride; stresses
15 again, and several studies confirm, that exposure
16 causes lung tumors. Exposure to PVC dust was
17 associated with an increased incidence of lung
18 tumors too.

19 Q. In your --

20 A. Excuse me. Something else was
21 handwritten on this one which is not on that --

22 Q. Tell me what it is.

23 A. Under Doll -- you have it. I beg your
24 pardon.

1 Q. So the two exhibits seem to be exactly
2 the same?

3 A. Yes.

4 Q. In the exhibit we marked as Epstein 4 you
5 have a number of literature references actually in
6 their entirety in the back of the volume.

7 Are these new items that we've been
8 talking about contained in the report as it exists
9 now?

10 A. The references in the back relate to
11 larynx cancer, not necessarily to these. You may
12 recall last time I brought with me batches of cards
13 on my VC/PVC references.

14 MR. HOLLINGSHEAD: If you would, staying with
15 Epstein Exhibit 4 --

16 MR. HOLLINGSHEAD: Do you mind if I break for
17 a second?

18 (Discussion off the record.)

19 BY MR. HOLLINGSHEAD:

20 Q. Turning to that portion of Epstein 4 in
21 which is contained Dr. Loomis's report of November
22 2, 1989, could you turn to that.

23 A. Yes.

24 Q. You have a certain amount of underlining

1 and a couple of what I would consider to be
2 exclamation points that you made in the margin.

3 Could we turn to the underlining and the
4 exclamation points.

5 A. Yes.

6 Q. Did the exclamation points mean anything
7 other than the fact that it's something to be
8 emphasized? For instance, do you disagree with the
9 statement that's made at that point in the report?

10 A. It could range from astonishment to
11 incredulity.

12 Q. Why don't we turn to page 2 where a
13 couple of them appear. There are three sections
14 that are underlined on page 2. The first seems to
15 be underlining and a notation on your part.

16 Is there anything of significance to that
17 underlining?

18 A. No, that was simply confirmation of my
19 under -- that underlining that -- it said that
20 prior to his employment at ATC he had no specific
21 occupational exposure to any industrial chemical.
22 This is consistent with my understanding and also
23 with Davidson's understanding.

24 Q. The next underlining talks about him

1 working most of the time in the vinyl packaging
2 room.

3 A. Again consistent with my understanding
4 and Davidson's understanding.

5 Q. The next underlining is a section which
6 contains the exclamation point, so tell me the
7 significance of that.

8 A. I would characterize that as a rubbish --
9 statement as total scientific rubbish. He says PVC
10 is toxicologically a very safe compound but by,
11 according to literature, industrial exposure
12 produces only minimal nonspecific primary effects.

13 Q. He then goes on to talk about
14 unpolymerized vinyl chloride coming out of the --
15 from which the PVC -- sorry.

16 It then goes on to talk about
17 unpolymerized vinyl chloride.

18 Does that straighten out any of the
19 concerns you have with regard to the earlier
20 statement on PVC?

21 A. No. The statement that PVC is
22 toxicologically very safe and produces only minimal
23 nonspecific pulmonary effects I characterized as
24 scientific rubbish. Whatever he may say afterwards

1 is another matter, but that statement is rubbish.

2 Q. The next page contains an underlined
3 section which also has exclamation points. Can you
4 talk about that for a minute?

5 A. That again is scientific nonsense.
6 There's general knowledge about the possible
7 tumorigenic properties of VC as well as the federal
8 regulatory act that didn't come about until almost
9 seven years after Peterson was initially employed
10 at ATC in 1974. There's substantial information on
11 this going back to minimally 1970 and possibly also
12 as early as 1965.

13 Q. And support for your statement can be
14 found throughout your report and the various
15 studies you have referred me to?

16 A. I would think these are discussed in
17 various places, yes.

18 Q. I'm only seeking now to go back over
19 things we've done before.

20 In the next section you've made some
21 handwritten notes in the left-hand margin. Let's
22 go through that for a moment.

23 A. Yes. Davidson estimates that up to 10
24 ppm vinyl chloride before 1975.

1 Q. Was there a statement by Dr. Loomis that
2 was inconsistent with that?

3 A. I didn't have an exclamation mark there.

4 Q. I'm just trying to figure out your code.

5 What's the reason for the comment there?
6 Just to remind yourself as to what Dr. Davidson had
7 said?

8 A. Because the Loomis document is
9 misleading. The -- first off, when he refers to
10 samples that were obtained showed less than .1 to
11 maximum .45, this is untrue. Three to 4 ppm were
12 demonstrated in the breathing zone of the bag room.

13 And even in 1975 his statement that
14 weighted average concentrations were .68 and .76 is
15 untrue. Levels over 1 ppm were found. There's
16 also no recognition of the high potency of vinyl
17 chloride including carcinogenicity at the lowest
18 level tested; namely, 1 ppm.

19 Q. Was that the Maltoni?

20 A. Correct. There was also one other study,
21 an ovarian study, which I refer to in mice and rats
22 with carcinogenic effects of 1 ppm and there's no
23 consideration of PVC dust. There's a substantial
24 literature on the carcinogenicity of PVC dust such

1 as the Wagoner, Waxweiler, IARC studies. We are on
2 page 4 now.

3 Q. On page 4 there's one underlined section
4 with a couple of --

5 A. Yes. Laryngeal cancer has never been
6 documented to have been causally related to
7 exposure to VC or PVC is nonsense. He hasn't
8 obviously read the industry studies.

9 Q. The only one that specifically links
10 laryngeal cancer are the Tabershaw studies,
11 correct?

12 A. Tabershaw studies, correct. Lastly it's
13 only in Velez's report that exposure to asbestos is
14 suggested. This makes it clear that he has no
15 knowledge at all on pathology and radiology because
16 just by looking at the X-ray report on Mr. Peterson
17 it's clear that he had asbestosis.

18 Q. Do you know that he had it?

19 A. I have no idea. I presume that you and
20 Union Carbide furnished him with all the necessary
21 documentation and medical reports.

22 Q. Wouldn't you also have to assume that I
23 had it as well?

24 A. You had the medical reports.

1 Q. Yes, I had the medical reports.

2 A. And if you had the medical reports you
3 had the X-ray report. The medical reports clearly
4 state that there was calcification. There was --
5 you don't have to look at the X ray. The medical
6 reports state clearly there's calcification.

7 Page 5: "I am unable to find a single
8 report in the literature on laryngeal cancer." We
9 discussed that already. "In humans VC is believed
10 to be associated with accounts only of the rare
11 tumor angiosarcoma of the liver." I characterize
12 that again as scientific rubbish and hopeless
13 ignorance of the field in which presumably he's
14 acting as an expert.

15 Q. Going back to the first statement, the
16 only published article on laryngeal cancer would be
17 the one Tabershaw study. The other two are
18 unpublished, correct?

19 A. That's right, but he doesn't say -- he
20 doesn't talk about the published literature. He
21 says "in the literature," which includes
22 unpublished data.

23 Q. How does one get unpublished data?

24 A. By acting as an expert to industry, as a

1 consultant to industry, presumably industry files
2 will become available to you. Furthermore, these
3 unpublished data have been widely circulated.

4 The unpublished reports of Tabershaw have
5 been widely circulated and have been referenced in
6 various places in the published literature
7 particularly by government in government reports.

8 Q. You have a chart in here on meat wrappers
9 asthma as I recall?

10 A. A couple of tables, yes.

11 Q. In particular there is one entitled
12 Chronic Meat Wrappers Asthma, Illustrative
13 Quotations on Irreversibility and another on Meat
14 Wrappers Asthma, Illustrative Statements on
15 Prevention and then there's another one looks like
16 a continuation of the statement on prevention.

17 Would you just indicate for me,
18 Dr. Epstein, the significance of the meat wrappers
19 asthma literature for the laryngeal cancer case
20 that Mr. Peterson presents.

21 A. I would say none. The only reason I put
22 it in was because you expressed an interest in any
23 cases before which I had been involved on PVC film.
24 I was responsive to your interests.

1 Q. Oh, I see.

2 Could you turn to the section on the
3 literature, the Austin 1982 document.

4 A. Sure.

5 Q. What is this compilation? How would one
6 refer to this? Is this a review of laryngeal
7 cancer?

8 A. Well, this is in the book by -- multi-
9 authored book edited by Schottenfeld and Fraumeni,
10 S-c-h-o-t-t-e-n-f-e-l-d, and Fraumeni,
11 F-r-a-u-m-e-n-i. There's a chapter on laryngeal
12 cancer and this chapter was authored by Austin and
13 this is just extracts of material from that
14 chapter.

15 Q. Do you consider it to be authoritative?

16 A. I would say yes on the whole. It's a
17 once over lightly but it's a pretty good basic
18 source.

19 Q. Was this published in '82?

20 A. Yes, it's a textbook -- it's a reference
21 book rather than a textbook.

22 Q. What was the year of the Tabershaw study
23 that indicated an existence of a laryngeal cancer
24 and a relationship with PVC?

1 A. About '74, '75. In the published one I
2 believe there was only reference to one cancer.

3 Q. To the laryngeal?

4 A. Yeah. The other two unpublished studies
5 contained reference to the two others.

6 Q. Unless I've missed it, when I read the
7 Austin paper there was no mention of the Tabershaw
8 study.

9 A. You're right. As I stated before in that
10 one published paper there was only one laryngeal
11 cancer. You wouldn't expect it to be referred to.

12 Q. When were the unpublished studies of
13 Tabershaw?

14 A. '74 and '75.

15 Q. And presumably Austin would have had
16 access to the unpublished literature?

17 A. I doubt it.

18 Q. Why is that?

19 A. Because he doesn't work specifically in
20 the VC/PVC field. Had he worked specifically in
21 the VC/PVC field, he would have known about it.

22 Q. Had he known about it would you have
23 expected him to include Tabershaw in this review?

24 A. He might well have done so.

1 Q. Why would he not have -- in your opinion
2 why would he not have included the reference to the
3 one Tabershaw study that was published?

4 Was it not statistically significant
5 because it was only the one finding?

6 A. First of all, it's obviously not
7 statistically significant. The question is whether
8 it's biologically significant and one wouldn't in
9 fact ascribe biological significance to one
10 cancer.

11 But when you're dealing with three and
12 four laryngeal cancers, which we are now, then it
13 becomes more biologically significant and also
14 taking into account the fact that in several of the
15 VC/PVC studies the results are reported as
16 respiratory system cancers or respiratory tract
17 cancers, which would include laryngeal cancer. So
18 this particular chapter wouldn't reflect the
19 specific information on laryngeal cancer and VC.

20 Q. And that would account for the fact that
21 the entire paper is devoid of any comment about PVC
22 or VC, correct?

23 A. Correct.

24 Q. Austin lists asbestos as a risk factor at

1 on occupational factors the major risk factors as
2 being tobacco, alcohol, asbestos, nickel, and
3 mustard gas exposures. Would you --

4 A. Yes, I have underlined those for you.

5 Q. Thank you. It helped me to find them but
6 I even read those sections that were not
7 underlined.

8 A. Oh, bravo.

9 Q. Would you agree with his conclusion that
10 those are the major risk factors?

11 A. They are major risk factors. He doesn't
12 say "the" major risk factors. He said they are
13 major risk factors.

14 Q. If there were other major risk factors
15 would you have expected him to include it in his
16 paper?

17 A. He does. He discusses them in increased
18 mortality of organization, with air pollution, in a
19 variety of occupations in which no particular
20 breakdown of chemical exposures has been
21 identified. Additionally even in meat cutters he
22 notes an elevated PMR was found. I should have
23 underlined that for you.

24 Q. I saw that too.

1 A. Sorry, I didn't underline it.

2 MR. HOLLINGSHEAD: I see you're also marking
3 the exhibit. Let the record reflect that
4 Dr. Epstein has underlined that portion of the
5 Exhibit Epstein 4 under Occupational Factors
6 talking about meat cutters. Off the record.

7 (Discussion off the record.)

8 MR. HOLLINGSHEAD: Dr. Epstein, let me ask you
9 to look at your report in this case which was
10 entitled Preliminary Report 9/13/89 and which we
11 marked as Exhibit Epstein 2 on the last occasion we
12 were together. Starting on page 7 there's a
13 discussion with regard to polystyrene. I would ask
14 you to turn to that.

15 BY MR. HOLLINGSHEAD:

16 Q. What form of polystyrene is it that was
17 present at ATC if you know? By that I mean whether
18 it pellet or powder.

19 A. To the best of my recollection they were
20 pellets. Let me see if I have a notation on that.

21 I don't have a notation on that.

22 Q. For the record I think I can represent to
23 you that they were pellets to the best of my
24 knowledge. That's also your recollection?

1 A. That's my impression but I don't have a
2 notation, however, on that.

3 Q. At the end of the first paragraph on
4 polystyrene you talk about exposure of animals to
5 PS, meaning polystyrene, dust induces
6 pneumoconiosis.

7 Do you know if that was with -- strike
8 that.

9 Do you know what study that was that
10 demonstrated that? .

11 A. To be frank I don't and I would think
12 this is unfortunate that I didn't reference that.

13 Q. Would it be one of those references at
14 the bottom of the page?

15 A. I would imagine so, yes.

16 Q. Are you aware whether or not there's any
17 study that indicates that polystyrene pellets give
18 off dust and thereby cause pneumoconiosis?

19 A. Well, if you have pellets you'll
20 certainly get dust from the pellets.

21 Q. How do you get dust from the pellets?

22 A. From fragmentation. From handling of
23 pellets you'll generate dust.

24 Q. So your view would be whether the study

1 is with regard to powder or pellets of polystyrene
2 you would still get dust and therefore would likely
3 get pneumoconiosis?

4 A. It depends.

5 Q. Depends on the exposure and the size of
6 the dust fragments?

7 A. Yes, certainly.

8 Q. What micron size of dust would you need
9 in order to induce pneumoconiosis?

10 A. Well, dust would have to be respirable
11 and the particles of about 5 microns are 20 to 30
12 percent respirable, 10 microns is nonrespirable,
13 and 2 microns are about 100 percent respirable. So
14 in that range.

15 Q. Is there any study you are aware of that
16 with regard to polystyrene that shows a direct
17 causal relationship between exposure to polystyrene
18 and laryngeal cancer?

19 A. No. There's a study on styrene which
20 shows association with laryngeal cancer, Hobson and
21 Jones.

22 Q. What was the exposure do you recall in
23 Hobson and Jones?

24 A. To styrene.

1 Q. Were these animals?

2 A. No, that's an epidemiological study.

3 Q. What were the levels of exposure do you
4 recall?

5 A. I don't recall.

6 Q. Do you recall who, in terms of the
7 subject of the study, who was being studied?

8 A. Yes, workers, the exposed workers.

9 Q. Do you know what level they were being
10 exposed?

11 A. You just asked me that. I said I don't
12 know.

13 Q. Do you know in what end of the
14 manufacturing --

15 A. I don't recall.

16 Q. -- business they were in?

17 A. The reference will give you all that
18 information.

19 Q. Yes, thank you.

20 How does IARC list their -- come up with
21 a list of carcinogens?

22 You've indicated here that you have -- at
23 the bottom you say styrene is ranked as a group
24 II-B carcinogen.

1 Is that a listing of the probable human
2 carcinogens?

3 A. Well, it's a ranking of carcinogenicity
4 in which human and animal data are taken into
5 account.

6 Q. What are the rankings that IARC puts on
7 carcinogenicity?

8 A. Group I: when you have clear-cut
9 epidemiological data. II-A and II-B: II-A is
10 where you have reasonable epidemiological data.

11 Q. In humans?

12 A. Epidemiological is humans. II-B is --
13 and you can have a II-A where you have excellent
14 animal data. II-B is animal data without -- is
15 very good animal data. III is animal data with no
16 epidemiological data. That's basically -- and IV
17 is basically noncarcinogenic or questionable
18 validity of animal data.

19 Q. Group I again is known carcinogenicity?

20 A. One again is unequivocal epidemiological
21 data in humans, and IARC frequently states that
22 when you have valid animal data this creates a
23 strong presumption or basis for presumption of
24 human cancer risk.

1 Q. Do these rankings line up with the EPA
2 rankings?

3 A. Put it the other way around. EPA
4 rankings in general reflect the IARC although not
5 necessarily, and sometimes EPA refers to the IARC
6 rankings.

7 Q. Does styrene monomer pass from the pellet
8 to the air and if so can you tell me how and under
9 what conditions?

10 A. Sure. It's degassed in the same way as
11 VC is degassed from PVC and, additionally, when you
12 heat-treat polystyrene you will liberate styrene.
13 This is discussed in the first paragraph of page 7.

14 Q. Is there a residual level of styrene
15 contained within the pellet similar to PVC?

16 A. Yes, I refer you to sentence 2, paragraph
17 1, and sentence 3.

18 Q. Sentence 2 indicates that polystyrene
19 contains up to 1 percent of the residual -- of the
20 volatile residual styrene monomer.

21 Is it your understanding that that is the
22 maximum that is contained within the pellet?

23 A. I haven't frankly looked at the early
24 literature to find whether there were higher levels

1 but these are references in '79 and '83. Whether
2 the levels at the time Peterson were (sic) exposed
3 were higher, I don't know.

4 Also we do know that to have high
5 concentrations of unreacted styrene released from
6 fresh polystyrene, the high concentrations are
7 released. That was known as early as 1968.

8 Q. Mr. Peterson was not exposed to
9 relatively fresh polystyrene, was he, to your
10 knowledge?

11 A. Polystyrene comes from UC.

12 Q. Right, but it was shipped from Texas,
13 wasn't it? Isn't that part of your information?

14 A. Yes. So what?

15 Q. When you talk about fresh polystyrene --

16 A. As opposed to aged polystyrene. You and
17 I are -aged. When we were born we were fresh.

18 Q. When polystyrene is first manufactured
19 it's fresh, correct?

20 A. Fresher than two weeks later. But in
21 addition to that I should point out to you that
22 when you degas a monomer from the polymer, the
23 monomer particularly in closed conditions such as
24 in a vat, et cetera, will adsorb itself on the

1 polymer.

2 So it really doesn't make much
3 difference. You'll have the adsorbed monomer on
4 the actual polymer as opposed to contained within
5 the polymer as when you have it under closed stored
6 conditions.

7 Q. So what you're saying is when it's
8 degassed out of the pellet, it then clings to the
9 outside of the pellet and that's what you referred
10 to as adsorbed?

11 A. No, that's not what I said. I said when
12 it is degassed under closed conditions such as in a
13 car or a vat or a box. When you degas in the air
14 you will have a progressive reduction in levels of
15 the monomer in the polymer.

16 But when you degas in a closed system,
17 essentially what's happening is that there's a
18 migration from within the polymer to the closed
19 dead air space and with the secondary adsorption on
20 the surface of the polymer.

21 Q. With regard to the Hobson and Jones study
22 which according to your paper demonstrated a major
23 excess of laryngeal cancer, I take it by the
24 reference to $3/0.5$ that your expected rate of

1 cancer was .5 and the actual occurred cancer was at
2 rate 3?

3 A. Yes, in other words a six-fold increase
4 in workers exposed to polystyrene in the total
5 cohort; and when it comes to the subcohort of men
6 under the age of 45, the ratio then becomes
7 twenty-fold excess of laryngeal cancers.

8 Q. Do you know the amount of styrene or --
9 well, the amount of polystyrene that Mr. Peterson
10 was exposed to?

11 A. No.

12 Q. Do you know the levels of the exposure?

13 A. Union Carbide failed to monitor --

14 Q. The answer is no --

15 A. I haven't finished -- and Golub
16 (phonetic) wasn't requested by ATC or Union Carbide
17 to monitor. Therefore, we have no information.

18 Q. Then I take it the answer to my question
19 is no?

20 A. Yes, the answer was no.

21 Q. Do you have information as to what
22 percent of Mr. Peterson's time was spent with
23 regard to either bagging polystyrene or in any
24 other way being around polystyrene as compared to

1 the amount of time he spent with the PVC?

2 A. Well, he didn't spend time specifically
3 with -- he worked in the bagging room.

4 If I may rephrase your question, it could
5 be posed as what percentage of the total polymers
6 entering into the bagging room were polystyrene as
7 opposed to polyvinyl chloride. I don't know --

8 Q. I'll accept that question and you can
9 answer that one.

10 A. The answer is I don't know, but it's a
11 good question once rephrased.

12 Q. But the germ of it was good too.

13 A. Dr. Davidson may have information on
14 that.

15 Q. I only wish to establish what information
16 you have at the moment. I appreciate he may have
17 other information.

18 With regard to that same form of
19 question, do you have any understanding as to what
20 percentage of Mr. Peterson's time was spent -- off
21 the record.

22 (Discussion off the record.)

23 MR. HOLLINGSHEAD: Dr. Epstein, let me
24 withdraw that and rephrase the question.

1 BY MR. HOLLINGSHEAD:

2 Q. Do you have any information that would
3 tell you what percentage of time Mr. Peterson spent
4 on bagging any of the other resins in the ATC plant
5 as compared to PVC?

6 A. With due respect, as you know perfectly
7 well Mr. Peterson never bagged or was employed as a
8 bagger so I can't answer that.

9 Q. I will rephrase the question.
10 What percentage of time did he spend in
11 the bagging room when isopropylidene resins were
12 being bagged do you know?

13 A. I don't know. I don't know whether they
14 were being bagged at the same time as PVC, whether
15 they were being bagged in separate batches. I just
16 don't know.

17 Q. You have acted on the assumption in this
18 case that the majority of Mr. Peterson's time was
19 spent in the presence of PVC, have you not?

20 A. That is an assumption but I regret to say
21 that I haven't substantiated that assumption --
22 well, I know that the vast amounts of VC/PVC were
23 bagged. I went into the amounts in terms of bags
24 per day and pounds per day. I don't have the

1 equivalent information for polystyrene.

2 Q. Mr. Levinson's original letter to you in
3 April of 1988 that we have marked as Epstein 3 only
4 talks about PVC pellets if I read it correctly; am
5 I right?

6 A. Correct.

7 Q. And that's one of the reasons why you've
8 assumed throughout your efforts in this case that
9 PVC was the main chemical to which Mr. Peterson was
10 exposed; is that --

11 A. I believe this to be the case, but I will
12 prior to trial attempt to find out the percentage
13 of polystyrene and the other resins which were used
14 and the amounts used. That's provided this
15 information is available. If it isn't perhaps you
16 will pass this on to Mr. Levinson.

17 Q. With regard to the isopropylidene
18 bisphenol resins discussion which begins on page 8,
19 you indicate that bisphenol is a potent primary
20 irritant and sensitizer, and you go on to talk
21 about other things from the Shell (phonetic)
22 report, 1982.

23 Is there a particular ingredient in the
24 isopropylidene bisphenol resins that makes it a

1 primary irritant and sensitizer?

2 A. Well, there's various components.
3 Epichlorohydrin -- epichlorohydrin is certainly an
4 irritant and a sensitizer. Over and above that
5 there's a variety of other volatile components
6 particularly phenolics and the glycidol ethers
7 which are sensitizers too.

8 Q. I think I asked you the last time but
9 let's put it in context for today's deposition.

10 You have referred to isopropylidene
11 bisphenol resins and I think in particular to 4.4
12 isopropylidene --

13 A. I'm sorry. I beg your pardon. What was
14 that?

15 Q. You have referred to isopropylidene
16 bisphenol resins and particularly 4.4
17 isopropylidene diphenyl, have you not?

18 A. Yes.

19 Q. Do you understand those materials to be
20 the same as bisphenol A resins?

21 A. Yes.

22 Q. And is it your understanding that
23 epichlorohydrin is present in the bisphenol A that
24 was in existence at the ATC plant?

1 A. That's my understanding.

2 Q. Is it the epichlorohydrin that in fact is
3 the primary ingredient in making it a potent
4 irritant and sensitizer?

5 A. Well, that's the result -- the literature
6 on epichlorohydrin and also glycidol ethers as
7 irritants and sensitizers particularly
8 epichlorohydrin.

9 Q. Are you aware of any literature that
10 shows a causal relationship between bisphenol A
11 resins and laryngeal cancer?

12 A. I am unaware if there is any literature
13 on this. I know there's literature on respiratory
14 tract cancers including lung cancer and nasal
15 cancer.

16 Q. And that's referred to in the references
17 down at the bottom?

18 A. Yes.

19 Q. Are you aware of any literature showing a
20 causal relationship between epichlorohydrin and
21 laryngeal cancer?

22 A. No. As I said before, there's literature
23 on respiratory tract cancers and the respiratory
24 tract includes the larynx. There are references on

1 nasal cancer and lung cancer but I haven't seen any
2 on laryngeal cancer.

3 Q. What are the conclusions in the Interline
4 reports that are the first two references at the
5 bottom of the page?

6 A. There are a couple of reports, two or
7 three reports, by Interline and the very first
8 report demonstrates an excess of lung cancer,
9 respiratory tract cancer, and one of the subsequent
10 reports limited the statistical validity of the
11 earlier reports.

12 But again the interest of these agencies
13 with regard to laryngeal cancer is any agent that
14 is a respiratory tract irritant will certainly
15 increase the susceptibility of the larynx to agents
16 that can induce laryngeal cancer, and there's a
17 possibility of synergistic and interactive effects.

18 When you're dealing with a whole complex
19 of chemicals of this kind, the effects on any
20 particular target organ can differ very
21 substantially from the effects of exposure to a
22 single chemical.

23 Therefore, the data on studies based on
24 experimental or occupational studies based on a

1 single exposure would understate risks to
2 particular target organs which would be seen --
3 which would develop when you expose workers to a
4 wide range of these different carcinogens, toxic,
5 and irritant agents.

6 Q. With regard to the welding emissions,
7 which is a discussion commencing on page 9 of your
8 report, what is your understanding as to how much
9 exposure Mr. Peterson had to welding fumes or
10 welding emissions while he was at ATC?

11 A. We know he welded. The amount of time he
12 spent on welding on a percentage basis every day I
13 don't know. The statements to the best of my
14 recollection are during welding he would sometimes
15 cough and choke and have to leave the room, but I
16 can't tell you the percentage of time he spent on
17 welding as opposed to other activities.

18 I'm not sure these data are available
19 although you might check with Union Carbide or ATC
20 on this to see whether they kept such records,
21 which I doubt.

22 Q. What makes up welding fumes?

23 A. First paragraph will -- gives you a
24 fairly comprehensive -- or attempts to give you a

1 fairly comprehensive statement of what can be
2 generated.

3 Of course you have to include in this
4 thermal degradation of any polymers which are on
5 contaminated surfaces such as polyvinyl chloride or
6 polystyrene which would result in release of the
7 residual monomer or the adsorbed monomer such as
8 vinyl chloride or styrene.

9 Q. Without regard to the thermal degradation
10 of PVC or polystyrene -- and by that I'm asking you
11 to assume that a particular welding episode or
12 series of welding episodes took place where there
13 was no thermal degradation because those materials
14 were not present on the metal that was being
15 welded -- is it your opinion that the welding fumes
16 that are given off during the welding process
17 contributed in some fashion to Mr. Peterson's
18 laryngeal cancer?

19 A. I would say that it's likely they played
20 a contributory role.

21 MR. HOLLINGSHEAD: Why don't we stop there.

22 (Whereupon, a luncheon
23 recess was taken until
24 1:15 p.m. this day.)

A F T E R N O O N S E S S I O N

(Whereupon, the deposition
in the above-entitled cause
was resumed at 1:15 o'clock
p.m. this day.)

MR. HOLLINGSHEAD: Dr. Epstein, directing your
attention to page 1 of your report which has been
marked as DC-2 as I recall, you talk on the first
page particularly in numbered paragraph 3 that
Mr. Peterson is at future risk of developing
progressive chronic obstructive lung disease and
attendant complications.

SAMUEL EPSTEIN, M.D.,
the witness on the stand at the time of recess,
resumed the stand and testified further as follows:

EXAMINATION (Continued)

BY MR. HOLLINGSHEAD:

Q. Can I ask you what you mean by attendant
complications?

A. Sure. First of all for progressive
chronic obstructive lung disease there's a variety
of complications that can occur from this. One is
just death from progressive respiratory failure.

And when you have obstructive lung

1 disease particularly in association with
2 restrictive disease you can get right heart
3 failure; and because the -- from the right
4 ventricle you have a pulmonary artery going to the
5 lung, as you get increased resistance in the lung
6 because of fibrosis you can get gradual -- what's
7 called corpulmonale, c-o-r-p-u-l-m-o-n-a-l-e, with
8 progressive right heart failure. Then of course
9 increased incidence of respiratory infections,
10 pneumonia, et cetera.

11 Q. Can you quantify for me the increased
12 risk that Mr. Peterson does have to the development
13 of progressive chronic obstructive lung disease and
14 attendant complications?

15 A. I think that I should defer on this to
16 Dr. Velez. This is more his background. I can
17 only talk in general terms about this.

18 Q. Would you also defer on the first
19 sentence of paragraph 4 which talks about
20 Mr. Peterson being at future risk of developing
21 other cancers from his past occupational exposures
22 at ATC? Can you quantify that list?

23 A. As far as asbestos is concerned, I think
24 Velez has already done this for asbestos. I think

1 he talks about a five-fold increase in risk of lung
2 cancer. I can't quantify this in relation to the
3 whole mix of chemical carcinogens. I would say
4 he's at substantial excess risk for a variety of
5 reasons.

6 First of all he has excess risk for
7 respiratory tract cancers, particularly lung cancer
8 now, for two reasons. He's been exposed to a wide
9 range of pulmonary carcinogens, and these I've
10 tabulated for you in a table in Appendix 2 which of
11 them are carcinogenic to the larynx and which are
12 carcinogenic to the lung.

13 Secondly we know that once you get a
14 single primary in one site in the respiratory
15 tract, your chances of getting another cancer in
16 that same system are substantially increased and
17 that the second cancer can occur at a long time
18 subsequent to the diagnosis and treatment and cure
19 of the first.

20 Then of course you have the total
21 impossibility of developing any form of
22 quantitative prediction because of the multiple
23 interactions between these different carcinogens,
24 and then you've got carcinogenic effects at other

1 sites.

2 So I would say that he is at
3 substantially excess risk of future cancer with
4 particular reference to lung cancer but also
5 cancers at other sites.

6 Q. But when you use the phrase
7 "substantially increased risk" or "substantially
8 excess risk," you don't have a particular percent-
9 age in mind as to what that substantial risk --
10 increased risk is?

11 A. No, I can't quantitate it. But
12 substantially increased risk is more than
13 sufficient to justify the need for lifetime medical
14 surveillance and also justifies, creates a valid
15 basis of fear of the development of such risk and
16 of such future cancers.

17 Q. In your opinion to a reasonable degree of
18 medical probability can you say that Mr. Peterson
19 will in fact contract some additional cancer in the
20 future?

21 A. No, I can't say that. All I can say is
22 he is at substantial excess risk both of which
23 justify as I say two things. One, the need for
24 medical surveillance and the fear of such risk of

1 such eventuality -- eventualities.

2 Q. When a certain -- when chemicals are put
3 in combination, which is also referred to I suppose
4 as synergism --

5 A. No, excuse me, they're not. The effects
6 could be -- you could have interactive effects of
7 various kinds. You can have theoretically a
8 negative association or you could have a positive
9 association.

10 The positive association could be
11 additive, could be simply the sum of the individual
12 carcinogenic effects. Or it could be a synergistic
13 which could be a multiplicative. So the effect
14 could be a multiplicative effect.

15 Q. In some combinations of chemicals would
16 one chemical act -- or can one chemical act as an
17 inhibitor upon other chemicals?

18 A. Theoretically, yes.

19 Q. You say theoretically. Have you not seen
20 that circumstance?

21 A. There's some experimental evidence along
22 these directions, but I don't know of any evidence
23 as far as humans are concerned. All the human data
24 point in the direction of either additive or

1 synergistic interactions.

2 Q. When you talk about Mr. Peterson having a
3 substantially increased risk with regard to both
4 progressive chronic obstructive lung disease and I
5 think you also said the same thing with regard to
6 developing other cancers, what are you comparing
7 that risk to?

8 In other words if you have a
9 substantially increased risk, you have to be using
10 some baseline risk to begin with I would imagine.

11 So what are you comparing the risk to?

12 A. Well, I'm frankly -- as far as the
13 obstructive lung disease I'm not comparing it to
14 the general population because the general
15 population doesn't have in general a risk of
16 chronic obstructive lung disease.

17 What I'm saying is compared to his
18 present status. In other words there's a
19 substantial probability that there will be
20 deterioration in his medical status. That's what
21 I'm saying with relation to the chronic obstructive
22 lung disease.

23 With relation to cancer, as far as
24 cancer's concerned, however, I would say as

1 compared with the general population.

2 Q. So if I recall your testimony correctly
3 from the first day, you're using a risk -- a
4 general risk of 25 percent to 33 percent for the
5 population at large and you're talking about a
6 substantial increase on that risk?

7 A. Yes. There's a 1 in 3 risk of getting
8 cancer, which is as you say 33 percent, and 1 in 4
9 risk of dying from cancer, which is the general
10 population.

11 Q. I read the Austin paper which is included
12 in your report --

13 A. Abstract.

14 Q. Whatever it is that appears in Epstein 4,
15 when I read that there was a considerable
16 discussion with regard to the effects of smoking
17 and alcohol upon a laryngeal cancer.

18 You have stated on page 2 of your
19 report -- and if I'm interpreting it correctly you
20 are suggesting that the fact that Mr. Peterson has
21 not smoked since 1968, which was twelve years
22 before he developed laryngeal keratosis and sixteen
23 years before he developed laryngeal cancer, is a
24 factor that you have taken into consideration.

1 Can you tell me why in your mind the fact
2 that he had not smoked in that period of time
3 should not be considered more strongly than
4 apparently you have in this report?

5 A. Yes, I can give the U.S. Surgeon General
6 as one of several references showing the effects of
7 the risks associated with light smoking firstly and
8 secondly the cessation of a prolonged duration of
9 smoking cessation.

10 These are two factors which make the role
11 of tobacco to say the least minimal on the
12 relatively small amount he smoked, but far more
13 importantly is the prolonged duration of smoking
14 cessation.

15 Q. He was smoking, however, at the rate of
16 one pack per day --

17 A. Yes.

18 Q. -- when he first started employment with
19 ATC; is that not correct?

20 A. Yes, from the age of about 17 to 39.

21 Q. I'm sorry, say that again?

22 A. From the age of about 17 to 39 he smoked
23 about one pack a day, and you may recall that he
24 started work at ATC actually about the age of 38 so

1 it was just about the first year.

2 Q. You just looked at your list. When did
3 he first start at ATC?

4 A. I have a note that he started at the age
5 of 38; 38 to 44.

6 Q. Do you have a reference as to what year
7 that was?

8 A. Yes, 1967. So '67 -- if he was born in
9 '29, that's about 38. Am I right or am I off a
10 year?

11 He was born in April 1929 and he went to
12 work at ATC in 1967, in June '67. So what's April
13 '29 to June '67? That's two months and -- it's
14 more like 37. I won't rub it out and change it.

15 Q. Are there studies that talk about a
16 synergistic effect of cigarette smoking and
17 exposure to asbestos?

18 A. Oh, yes, sure.

19 Q. Is there a synergistic effect when those
20 two exposures are present?

21 A. Yes -- well, there's an element of
22 controversy on this. Selikoff has published
23 extensively on this. Wagoner has claimed that the
24 evidence for this is less persuasive. I don't have

1 a position on this.

2 Q. You do not?

3 A. No. My general understanding would veer
4 more towards the Selikoff position but I haven't
5 researched this in detail.

6 Q. Is this an area you would defer to
7 Dr. Velez on?

8 A. Yes, I think so. I think Velez has
9 looked at asbestos more closely than I have.

10 Q. Are there any studies to your knowledge
11 that talk about smoking -- cigarette smoking and
12 its impact on laryngeal cancer that also discuss
13 the concept or the effect of the cessation of
14 smoking?

15 A. Yes, sure. The U.S. Surgeon General's
16 report summarizes various studies on cessation of
17 smoking. You'll find that quoted in extracts on
18 that under the section laryngeal -- Larynx Cancer.

19 Q. Would you tell me what your recollection
20 is of the Surgeon General's report?

21 A. Sure. Basically there's a progressive
22 reduction in risk following cessation of smoking.
23 By the time you reach about ten or eleven years or
24 so, the risks are virtually normal.

1 Q. Is that with regard to virtually all
2 possible effects of smoking?

3 A. No, not at all.

4 Q. Perhaps I'm confusing you.

5 In terms of your answer are you talking
6 about an elimination of virtually all risk of
7 contracting cancers as a result of smoking?

8 A. I was referring specifically to larynx
9 cancer. Similarly it relates to lung cancer. The
10 situation as far as cardiovascular disease and
11 cancers at other sites in which smoking plays a
12 role I don't know.

13 I think as far as cardiovascular disease
14 there is such an effect although the specifics of
15 the timing I don't know. As far as other sites at
16 which smoking is a risk factor, although much less
17 of a risk factor than for lung or larynx, I don't
18 know. I haven't looked up those data.

19 Q. Are you aware of any studies that show a
20 causal relationship between cigarette smoking and
21 laryngeal cancer?

22 A. Oh, yes.

23 Q. Are you aware of any studies that talk
24 about the latency period for the onset of the

1 laryngeal cancer as a result of smoking?

2 A. May well be in the -- I should think the
3 U.S. Surgeon General's report deals with it. I
4 don't offhand recall.

5 Q. You don't offhand recall what the latency
6 period might be or --

7 A. No, except that in general we do know
8 that Peterson got his laryngeal cancer at a much
9 earlier age than is known in the general
10 population; and as we know that smoking as -- that
11 one of the major risk factors for laryngeal cancer
12 in the general population is smoking, the fact that
13 Peterson got his lung cancer at a much earlier age
14 would also imply that the latency for smoking and
15 laryngeal cancer is a much longer one.

16 For instance if you turn to Table 2 of
17 the Austin, you see that by far the most -- the
18 highest incidence of laryngeal cancer is above the
19 age of 60. Now Peterson got his laryngeal cancer
20 in the 50 to 54 age bracket and his laryngeal
21 keratosis he got even before that.

22 So one would say that Peterson got his
23 laryngeal disease at a very, very much earlier age
24 than one would have anticipated from factors other

1 than occupational.

2 Q. I'm sorry, I'm not following what
3 implication you draw from the fact that he had
4 contracted his cancer at an earlier age than the
5 general population.

6 A. I'm saying that in the general population
7 one of the major risk factors is smoking, and in
8 the general population the maximal incidence of
9 laryngeal cancer is the age of 60-plus.

10 And if you'll recall Peterson got his
11 laryngeal cancer at a very much earlier age. In
12 fact he got it at the age of 54. But in fact even
13 at the age of 49, which was five years before that,
14 he had developed laryngeal keratosis.

15 Q. And what inference do you draw from that?

16 A. I would say this is just one of many
17 factors all of which tend to minimize the role
18 of -- one of many factors which tend to minimize
19 the role of smoking and to maximize the role of
20 occupational factors.

21 Q. Wouldn't this table set forth by Austin
22 include smokers both active and former --

23 A. Sure.

24 Q. -- in the general population?

1 A. Sure. It would reflect the general
2 population, yes, but the fact is that as we know
3 that smoking is a very strong risk factor,
4 particularly smoking in relation to alcohol, I
5 would say that the greatest impact of smoking would
6 appear to be in the age of 60-plus. It's difficult
7 to take it very much further than that.

8 Q. Even for someone who began smoking at the
9 age of 17?

10 A. Sure. He smoked about a pack a day which
11 would make him a relatively light smoker. If you
12 turn to the Surgeon General's report, you'll find
13 the relationship between smoking and laryngeal
14 cancer is strongly dependent on the numbers.

15 For instance, if you turn to Figure 21
16 you'll see that for males who smoked cigarettes of
17 under a pack a day, it's relatively small compared
18 to people who smoke four packs a day. And again if
19 you turn to Table 20 you'll find this laid out
20 again. For people who smoke under a pack a day,
21 it's lower than a half of people who smoke four
22 packs a day.

23 Q. Does the Surgeon General list a light
24 smoker as someone who smokes one pack a day?

1 A. I don't know the Surgeon General's
2 definition, but under one pack a day is certainly
3 light compared to four packs a day and the data
4 here are listed for four packs a day.

5 But you have two factors: One, the
6 amount he smoked and, two, the duration of
7 cessation which is given in figure 23; and you can
8 see by eleven years the risks are the same as the
9 general population.

10 Q. Somewhere in your report you indicate --
11 page 4 of your report you talk about a latency
12 period of nineteen years.

13 A. That's for angiosarcoma of the liver.
14 There have been various figures from 19 to about 21
15 seems in most of the literature to be the average
16 latency for the angiosarcoma of the liver.

17 Now the angiosarcomas of the liver are
18 associated with relatively high exposures, so when
19 you're dealing with much lower exposures you would
20 expect a much longer latency.

21 Q. Just so the record is clear, the latency
22 period is for the effect of angiosarcoma of the
23 liver but --

24 A. Excuse me. That's from the time of

1 initial exposure to the time of diagnosis.

2 Q. I understand that. I want to make the
3 record clear.

4 It's the latency period from the first
5 exposure to cigarette smoking, correct?

6 A. You've got me -- I don't understand what
7 you're talking about.

8 Q. Let me find the reference --

9 A. Just say that -- oh, do you mean duration
10 of cessation of smoking?

11 Oh, page 2 discusses that.

12 Q. I'm confused. I was referring to page 4.
13 There is a reference to nineteen years, but it's
14 the average latency period from first exposure to
15 diagnosis --

16 A. Of angiosarcoma, yeah, sure.

17 Q. -- of angiosarcoma. So there is nothing
18 in your report that talks about latency period of
19 contracting any cancer as a result of exposure to
20 cigarette smoking except as referred to if at all
21 in the Surgeon General's report?

22 A. Well, the Surgeon General only -- parts
23 that I quoted here only deal with laryngeal
24 cancers. As far as lung cancers are concerned I

1 don't have any material here.

2 There's a dose response relationship with
3 very high levels of smoking and particularly when
4 you smoke starting from the age of ten or so,
5 latency is shorter.

6 But one of the points about the
7 respiratory system cancers and respiratory tract
8 cancers are that the longer you follow these
9 people -- the VC/PVC workers -- up, the higher is
10 the rate of lung cancer. .

11 This is stated in several of the
12 references in the table; that the incidence of
13 respiratory tract cancer is higher in those, A,
14 that have been more heavily exposed and, B, that
15 have been followed up for longer periods.

16 Q. Do you believe that you can have a no
17 observable effect level for a carcinogen?

18 A. What? All one can say is there is an
19 overwhelming body of information in the literature
20 that we don't know any way of setting safe levels
21 for chemical carcinogens.

22 Q. Why is that?

23 A. This is an analysis of the literature;
24 and as far as vinyl chloride, for instance, is

1 concerned, even at the lowest levels to which it's
2 been tested -- which vinyl chloride has been
3 tested, namely 1 ppm, carcinogenic effects have
4 been found.

5 Carcinogenic effects have been found also
6 with exposure to vinyl chloride for one hour, one
7 hour.

8 Q. What is the concern? Is --

9 A. Can I move on? I want to say --

10 Q. I'm sorry. .

11 A. In addition to the experimental evidence,
12 we have evidence from human beings showing that
13 very low levels of exposure are associated with
14 major increased risks. For instance, people living
15 in the vicinity of VC/PVC plants have an excess
16 risk of angiosarcoma of the liver.

17 In fact, calculations were developed to
18 the effect that even at the part per billion -- 20
19 part per billion level, the risks of angiosarcoma
20 are significantly increased. These are parts per
21 billion.

22 So -- but that again is consistent with
23 the experimental data that we have and the human
24 data that we have that any exposure confers finite

1 risk. The higher the level of the exposure, the
2 greater is the risk.

3 Q. What is the reason for that concern? Is
4 it that a single molecule of a carcinogenic
5 material can cause cell damage and as a result of
6 that lead to a tumor?

7 A. Well, that with due respect is a reductio
8 ad absurdum because a part per billion level of
9 vinyl chloride is equivalent to billions of
10 trillions of molecules, billions of trillions; for
11 instance, maybe some region of 10 to the power of
12 15. That's quadrillions of billions. So we're not
13 really dealing with a one molecule.

14 All one can say is at the lowest level
15 tested in animals there's still carcinogenic
16 effects; and even at the lowest levels of possible
17 exposure to humans, such as an accountant working
18 in a plant without ever going to the workplace
19 still gets a carcinoma of the lung, people living a
20 few miles away from a VC/PVC plant still get
21 angiosarcoma of the liver.

22 From a theoretical standpoint one could
23 say that theoretically one hit, one molecule on one
24 receptor site, can create genetic damage which can

1 theoretically be associated with carcinogenic
2 effects. But these levels -- even the very low
3 level of a part per billion is associated with
4 millions of trillions of molecules.

5 Q. Cigarette smoking has a carcinogenic
6 effect, does it not?

7 A. Correct.

8 Q. Would there not be a similar concern for
9 extremely low levels of exposure to cigarette
10 smoking?

11 A. Absolutely right. A person who smokes
12 one cigarette a day has more risks of getting lung
13 cancer than a person who smokes no cigarettes a
14 day, no question.

15 Q. Mr. Peterson was exposed to that
16 carcinogenic chemical, if I can call it that --

17 A. Well, mix of chemicals.

18 Q. How so?

19 A. There's a vast mix of chemicals in
20 tobacco smoke.

21 Q. I'm sorry --

22 A. From the age of 17 until the time he went
23 to work at ATC, but as I say that he had stopped
24 smoking for about eleven years or so before he

1 developed his laryngeal cancer; and based on the
2 analysis of all available data by Winder (phonetic)
3 and by the U.S. Surgeon General, the risk of his
4 laryngeal cancer is the same as that of a
5 nonsmoker.

6 Q. Assume for a moment that Mr. Peterson had
7 been exposed to VC for approximately a twenty-year
8 period and then had moved on to different
9 employment and thereby had a cessation of exposure
10 to VC.

11 Would you believe after -- for some time
12 after the cessation of that -- strike that.

13 Would you believe that Mr. Peterson would
14 be at risk for the contraction of cancer for the
15 rest of his life from that exposure to VC?

16 A. Nice try. In fact his risks would
17 progress and would become greater the longer he
18 lived.

19 Q. Why is that?

20 A. I was hoping you'd ask that.

21 There's an enormous difference between
22 what's called a late stage carcinogen such as
23 tobacco where the carcinogenic effects of tobacco
24 depend on the -- until you reach an irreversible

1 point, depend upon the continuing exposure.

2 In other words once you remove somebody
3 from the exposure to tobacco, their risks decrease
4 progressively. You see the similar effects with
5 women with endometrial cancer from estrogens, from
6 estrogen replacement therapy. The moment you
7 remove them off from their estrogen replacement
8 therapy, their risks of endometrial cancer shoot
9 way down.

10 When it comes to the overwhelming
11 majority of carcinogens, which we call early stage
12 carcinogens, the longer -- very often with these
13 people the longer you wait, the higher is their
14 risk.

15 You see this -- as far as respiratory
16 tract cancers are concerned, the highest rates of
17 respiratory tract cancers are on people who have
18 been followed up for a long period of time; and
19 Infante and others, however, have pointed out the
20 insensitivity of many of the epidemiological
21 studies insofar as people haven't been followed up
22 long enough.

23 Doll in fact also, even in spite of all
24 the criticisms I've made about the Doll study, is

1 forced to recognize that the risks of lung cancer
2 are greater in those who have had a longer period
3 of observation.

4 Q. In essence you're telling me that all
5 carcinogenic materials do not have the same impact
6 on the body and therefore do not raise the same
7 concerns?

8 A. Well, your language is your business.
9 What I'm saying is that when it comes to tobacco
10 there is a very marked decrease in risk
11 proportional to the cessation. When -- the period
12 of cessation from smoking.

13 There are a few other carcinogens that
14 fall into that category which are called late stage
15 carcinogens. For the overwhelming majority of
16 carcinogens, however, you're faced with a lifetime
17 risk once you've been exposed.

18 In fact, you can have just one exposure
19 for one hour only with vinyl chloride and then get
20 tumors later in life as we know from the Consumer
21 Products Safety Commission studies on vinyl
22 chloride with mice and rodents.

23 So once you have initiated a carcinogenic
24 effect, these risks will last for a lifetime and

1 the longer you follow people up, the greater will
2 be the number of cancers you will uncover.

3 To give you one example for the asbestos
4 which we are discussing here, the asbestos risks,
5 you can be exposed for five years -- a period of
6 five years and then no more. Then the risks don't
7 really become very serious until about a thirty-
8 year period later.

9 Q. Is asbestos known as an early stage
10 carcinogen or a late stage -- .

11 A. An early stage carcinogen.

12 Q. And that would make it similar to --

13 A. Vinyl chloride and the other carcinogens
14 he was exposed to, the other occupational
15 carcinogens.

16 Q. So that an exposure to asbestos
17 theoretically on one occasion carries with it a
18 greater --

19 A. A greater risk than no exposure.

20 Q. -- risk than no exposure?

21 A. Well put, yes.

22 Q. But that risk with regard to asbestos
23 continues for the rest --

24 A. For lifetime.

1 Q. -- of the person's life?

2 A. Correct. And the same with vinyl
3 chloride and polyvinylchloride.

4 MR. HOLLINGSHEAD: I'll move to strike that
5 response as not responsive to the question. To be
6 more precise, I move to strike the last portion of
7 that answer as not being responsive to the
8 question.

9 Let's take a short break.

10 (Short recess.)

11 BY MR. HOLLINGSHEAD:

12 Q. Dr. Epstein, just going back to the
13 latency period discussion for a moment, normally is
14 the latency period given from first exposure to a
15 carcinogenic material or from last exposure?

16 A. Latency is often defined in very --
17 neither.

18 Q. Why don't you tell me how you define
19 latency period and from what point in time do you
20 count the latency period?

21 A. Latency is defined in different ways by
22 different authors. Let me just tell you what these
23 are.

24 Generally latency is defined from time of

1 initial exposure to the time of the diagnosis of
2 the particular adverse effect. That's one.
3 Sometimes latency is defined, however, from the
4 time of initial exposure to the time of death; and
5 that is a little sloppy because the time -- when it
6 comes to fatal cancers like lung cancer, it doesn't
7 really make any difference. You simply add one or
8 two years on.

9 But when it comes to other cancers of
10 which you can have prolonged remissions or even
11 cures like laryngeal cancer, latency defined from
12 time of initial exposure to death for laryngeal
13 cancer would be a ridiculous thing because you have
14 a 50 to 60 percent cure rate for laryngeal cancers.

15 So those are two of the forms: from time
16 of initial exposure to the time of diagnosis is one
17 or to the time of death is a second. And sometimes
18 latency is defined more sloppily from the midpoint
19 of exposure.

20 But I would say that the best way of
21 defining latency is from initial exposure to
22 diagnosis bearing in mind that this isn't a
23 particularly good definition because initial
24 exposure may not reflect the period of maximal

1 exposure.

2 But when you're dealing with an
3 individual when you can characterize different
4 exposure levels, one could refine it. But when
5 you're dealing with a population and an
6 epidemiological study, the only real way to handle
7 these data are from times of initial exposure to
8 times of diagnosis and that's generally the way
9 latency is defined.

10 Q. We may have discussed this over the
11 course of the two days and, if so, I'm sorry to
12 raise it again but --

13 A. Sure.

14 Q. -- do you have a latency period in your
15 mind as to vinyl chloride?

16 A. Well, we talked about it in relation to
17 angiosarcoma of the liver. When it comes to
18 respiratory tract cancers, clearly the latency is
19 longer in general.

20 This is stressed in the various
21 references I have given in the table where it talks
22 about the lung cancer rates are particularly high
23 in those with longer periods of observation and
24 longer duration of followup.

1 And also it's reflected in comments of
2 Infante and others that most epidemiological
3 studies are insensitive because it's not an
4 adequate latent period -- followup. In other words
5 people haven't been followed up for a longer period
6 of time.

7 What one does seem to see with vinyl
8 chloride is at relatively high exposure levels you
9 get angiosarcoma and the average latency is about
10 nineteen, twenty years or so. With lower exposure
11 levels you get a shift in the target organ from
12 angiosarcoma to other sites, and the latency is
13 much greater than twenty years.

14 Q. Do you have a range in mind?

15 A. No, it's not possible to say this because
16 most of the epidemiological studies have had an
17 inadequate followup. But you see the longer you
18 observe these people, the higher the lung cancer
19 rates become -- the higher the respiratory tract
20 cancer rates become.

21 Q. In any event, whether it's angiosarcoma
22 of the liver or some other carcinogenic effect,
23 you're looking at a latency period that is
24 commenced with first exposure generally?

1 A. Yeah, that's the proper way of looking at
2 it.

3 Q. And that's the way you look at it?

4 A. Yes, sure.

5 MR. HOLLINGSHEAD: That's all I have,

6 Dr. Epstein. Thank you very much.

7 THE WITNESS: Fine. Thank you.

8 FURTHER DEPONENT SAITH NOT...

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 STATE OF ILLINOIS)
2) SS:
3 COUNTY OF DU PAGE)

4 I, Sandra A. Kaspar, a notary public
5 within and for the County of Du Page and State of
6 Illinois, do hereby certify that heretofore,
7 to-wit, on the 15th day of December 1989, SAMUEL
8 EPSTEIN, M.D., personally appeared before me at 303
9 West Madison Street, Suite 1400, in the City of
10 Chicago, in the County of Cook and State of
11 Illinois, a witness in a certain cause now pending
12 and undetermined in the Superior Court of Middlesex
13 County, New Jersey, wherein John and Shirley Mae
14 Peterson are plaintiff and Union Carbide
15 Corporation is defendant.

16 I further certify that the said witness
17 was first duly sworn to testify the truth, the
18 whole truth, and nothing but the truth in the cause
19 aforesaid; that the testimony then given by said
20 witness was reported stenographically by me, in the
21 presence of the said witness, and afterwards
22 reduced to typewriting by computer-aided
23 transcription, and the foregoing is a true and

24

1 correct transcript of the testimony so given by
2 said witness as aforesaid.

3 I further certify that the signature of
4 the witness to the foregoing deposition was waived
5 by agreement of counsel for the respective parties.

6 I further certify that there were present
7 at the taking of this deposition the attorneys as
8 hereinbefore noted.

9 I further certify that I am not counsel
10 for nor in any way related to the parties to this
11 suit, nor am I in any way interested in the outcome
12 thereof.

13 In testimony whereof I have hereunto set
14 my hand and affixed my notarial seal this 22nd day
15 of December 1989.

16 Sandra A. Kaspar, CSR
17 Notary Public, Du Page County, Ill.

