

NO. E-181,199

JOHN THOMPSON AND WIFE,	)	IN THE DISTRICT COURT
CAROL THOMPSON,	)	
	)	
Plaintiffs,	)	
	)	
VS.	)	JEFFERSON COUNTY, TEXAS
	)	
CHEVRON U.S.A., INC.,)		
et al.,	)	
	)	
Defendants.	)	172ND JUDICIAL DISTRICT

ORAL AND VIDEOTAPED DEPOSITION OF
 DENNIS PAUSTENBACH, Ph.D., DABT, CIH
 FEBRUARY 23, 2010

ORAL AND VIDEOTAPED DEPOSITION of
 DENNIS PAUSTENBACH, Ph.D., DABT, CIH, produced as a
 witness at the instance of the Plaintiffs, and duly
 sworn, was taken in the above-styled and -numbered
 cause on the 23rd of February, 2010, from 8:02 a.m. to
 2:43 p.m., before Therese J. Casterline, CSR in and for
 the State of Texas, reported by machine shorthand, at
 the Hotel Boulderado, 2115 13th Street, Spruce Room, in
 the City of Boulder, County of Boulder, State of
 Colorado, pursuant to the Texas Rules of Civil
 Procedure and the provisions stated on the record.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

Mr. Darren L. Brown
PROVOST UMPHREY, LLP
490 Park Street
Beaumont, Texas 77704

FOR THE DEFENDANT UNIVAR USA INC.:

Mr. Robert Scott
ABRAMS SCOTT & BICKLEY, LLP
700 Louisiana
Suite 4000
Houston, Texas 77002

ALSO PRESENT:

Ms. Mary Vaughn Tompkins, Videographer
Mr. Dallas Cowan
Ms. Sherilyn Gross
Mr. Tyler Ferracini
Ms. Jennifer Sahmel

I N D E X

	PAGE
Appearances	2
Stipulations	6
WITNESS: DENNIS PAUSTENBACH, Ph.D., DABT, CIH	
EXAMINATION BY MR. BROWN	7
EXAMINATION BY MR. SCOTT	253
EXAMINATION BY MR. BROWN	268
Changes and Signature	282
Reporter's Certificate	284

EXHIBITS

NUMBER	DESCRIPTION	PAGE
1	Paustenbach testimony description	10
2	12-31-09 ChemRisk invoice	19
3	2-21-10 Paustenbach Overview of Opinions	43
4	2-18-10 Items Received for John Thompson and Wife, Carol Thompson, vs. Chevron U.S.A., Inc., et al.	46
5	4-4-02 John Wesley Clayton, Ph.D. summary	48
6	2-3-10 Thompson case summary	50
7	API Toxicological Review, Benzene September 1948	78

EXHIBITS		
NUMBER	DESCRIPTION	PAGE
8	11-15-90 Cowles letter to Price	88
9	7-9-02 Deposition of Sally Kathleen Rollefson Cowles, M.D. excerpts	92
10	Thompson Calculations Study Binder	115
11	2-23-10 Summary of Dr. Nicas' Exposure Calculations	121
12	Nolen report	123
13	Acute Benzene Poisoning: A Report of Three Fatalities	186
14	Reevaluation of Benzene Exposure for the Pliofilm (Rubberworker) Cohort (1936-1976)	193
15-A	Reliance Documents A-K	235
15-B	Reliance Documents K-U	235
15-C	Reliance Documents U-Z	235
16-A	Key DuPont Production Document List Binder 2	236
16-B	Key DuPont Production Document List Binder 1	236
17	John Thompson, and wife, Carol Thompson vs. Chevron USA, Incorporated, et al. case materials	236
18	6-24-53 Kuhn memo to Newquist	213
19	1-19-54 Dooley memo to Kuhn	214
20	DuPont Knowledge and Control of Benzene and Safety Hazards: Key Documents	225
21	11-23-09 Parker Summary	227

1	EXHIBITS		
2	NUMBER	DESCRIPTION	PAGE
3	22	2-18-10 Gentry fax to Scott	232
4	23	Paustenbach Reliance Documents	232
5	24	2-3-10 Wellen Summary	233
6	25	2-4-10 Jimerson Summary	234
7	26	Paustenbach Curriculum Vitae	235
8	27	Univar Study Binder	237
9	28	Cancer Mortality in Chinese	245
10		Populations Surrounding an Alloy	
11		Plant with Chromium Smelting	
12		Operations	
13	29	Documents re Paustenbach	246
14	30	6-4-06 Dow's Paustenbach "Scientist	247
15		for Hire" a fraud, T. River Studies	
16		Compromised	
17			
18			
19			
20			
21			
22			
23			
24			
25			

P R O C E E D I N G S

THE VIDEOGRAPHER: We are on the record at 8:02. Today is February 23rd, 2010. This begins the videotaped deposition of Dennis Paustenbach, Ph.D., taken by the plaintiff in the matter of John Thompson and wife, Carol Thompson, versus Univar USA, formerly Van Waters & Rogers, et al.

We are located at 2115 13th Street in Boulder, Colorado. The court reporter is Therese Casterline. The videographer is Mary Vaughn Tompkins.

The attorneys will introduce themselves, starting with the attorney on my left and the deponent's right.

MR. SCOTT: My name is Bob Scott. I represent Univar USA.

MR. BROWN: I'm Darren Brown. I represent Carol Thompson individually and as the widow of her deceased husband, John Thompson.

THE VIDEOGRAPHER: The court reporter will now swear in the deponent.

DENNIS PAUSTENBACH, Ph.D., DABT, CIH, having been first duly sworn, testified as follows:

MR. BROWN: Bob, before we get started, pursuant to Texas Rules of Civil Procedure?

MR. SCOTT: Yes.

1 MR. BROWN: Do you want to read?

2 MR. SCOTT: And the Rules that we -- with
3 regard to document production, the Rules that we've
4 been -- the agreements that we've been abiding by,
5 specifically, to my knowledge, and I think to
6 Dr. Paustenbach's knowledge, all of the materials that
7 have been requested by the subpoena duces tecum
8 attached to his deposition notice are here with us
9 today, with the exception of books and large documents
10 that the witnesses -- expert witnesses have not been
11 bringing.

12 MR. BROWN: All right. Do you want to
13 read and sign your deposition?

14 THE WITNESS: Yes, sir.

15 MR. BROWN: All right.

16 EXAMINATION

17 BY MR. BROWN:

18 Q. Would you please state your name.

19 A. Dennis Paustenbach.

20 Q. What is your address, sir?

21 A. 25 Jessie Street, San Francisco, California.

22 Q. That's your business address?

23 A. Yes, sir.

24 Q. What's your home -- excuse me. What's a
25 daytime phone where you can be reached?

1 A. (415) 618-3201.

2 Q. You're employed by ChemRisk?

3 A. Yes, sir.

4 Q. From 2003 to the present?

5 A. Yes, sir.

6 Q. You're the president of that company?

7 A. Yes, sir.

8 Q. You were formerly employed by Exponent?

9 A. Yes.

10 Q. You were the vice president of that company?

11 A. Yes.

12 Q. For how many years?

13 A. Almost five.

14 Q. All right. And who were -- who were you
15 employed by prior to Exponent?

16 A. McLaren/Hart-ChemRisk.

17 Q. Okay. ChemRisk has been around for longer
18 than 2003 to the present, correct?

19 A. True.

20 Q. When did it start?

21 A. 1985, I believe, out of my home. And in 1987,
22 I entered -- entered into a joint venture with
23 Mr. McLaren, and that lasted approximately 10 years.
24 And then the company went silent for five years.

25 Q. What was the name of the joint venture that

1 you had with Mr. McLaren?

2 A. McLaren/Hart-ChemRisk.

3 Q. You've been retained by Mr. Bob Scott to act
4 as an expert on his client's behalf in this case,
5 correct?

6 A. True.

7 Q. When did Mr. Scott first hire you in the
8 Thompson case?

9 A. He contacted me, I think, on December 1st,
10 2009.

11 Q. Prior to December 1st, 2009, you had no
12 contact with Mr. Scott nor anyone else regarding John
13 Thompson's case; is that correct?

14 A. True.

15 Q. What did Mr. Scott hire you to do in this
16 case?

17 A. He told me that he may need me to serve as an
18 expert in the fields of industrial hygiene, toxicology,
19 risk assessment, state of the art on benzene, and
20 related matters, including possibly causation.

21 Q. All right. Anything else?

22 A. If -- if needed, then I -- and if it was in my
23 area, I could deal with warnings and -- and what I'd
24 call risk communication.

25 Q. All right. Have you seen your designation as

1 an expert witness in this case, sir?

2 A. I have.

3 Q. It doesn't say anything about warnings or
4 communications or anything like that that you just
5 addressed, correct?

6 A. Unless it was -- unless it would be under the
7 topic of occupational health or industrial hygiene, and
8 I'm not sure if that's what he meant.

9 Q. Okay. Well, it doesn't say anything about
10 warnings or human factors, does it?

11 MR. SCOTT: Object to the form.

12 Q. Correct?

13 A. No, it doesn't.

14 Q. And that's Exhibit 1 to your deposition.

15 (Exhibit Number 1 marked.)

16 Q. Who are your clients in this case that you're
17 acting as an expert for?

18 A. Univar is the only firm that I'm aware of
19 that's retained me.

20 Q. And you realize Univar was formerly known as
21 Van Waters & Rogers?

22 A. I didn't know if that was the only entity
23 Univar was comprised of. There may have been other
24 firms, in other words.

25 Q. Well, let me ask you this: Did you know that

1 Van Waters & Rogers subsequently became Univar?

2 A. Yes.

3 Q. All right. And did you know that there were
4 other companies, including McKesson Chemical Company,
5 that subsequently merged in with Van Waters & Rogers,
6 who became Univar?

7 A. I didn't know that.

8 Q. All right.

9 A. I -- I could have inferred that, but I wasn't
10 certain of it.

11 Q. Do you have an understanding that you're
12 acting as an expert with regard to issues relating to
13 McKesson Chemical, who was a supplier of chemicals in
14 the Southeast Texas area during the late '60s and early
15 '70s?

16 MR. SCOTT: Object to the form.

17 A. To the extent that it's a question of --
18 within the areas that I've described, I can deal with
19 it. I'm not familiar with McKesson's corporate
20 history, so I -- I can't serve as a fact witness with
21 respect to McKesson.

22 Q. Okay. You have McKesson Corporation as one of
23 your clients that you've done work for in the past,
24 correct?

25 A. Right.

1 MR. SCOTT: Object to the form.

2 A. I did -- I -- I think it would be -- well, I
3 don't really know what -- at what point they -- they --
4 it sounds like they merged with Van Waters.

5 Q. I'll represent to you McKesson Chemical merged
6 with Van Waters & Rogers in 1986.

7 A. Oh, in '86.

8 MR. SCOTT: Object to the -- object to the
9 form.

10 A. Okay. That's fine. I didn't know that.
11 Because I knew my contract was with McKesson. That's
12 why I'm kind of surprised.

13 Q. Okay.

14 MR. SCOTT: Darren had --

15 MR. BROWN: I just want to make sure --

16 MR. SCOTT: Right. The representation's
17 not exactly accurate. There was an asset acquisition.
18 None of that matters for the purposes we're here for
19 today. But they didn't merge. There was no merger.
20 So -- but it's just -- I just --

21 MR. BROWN: Well, I've got the document
22 that says they adopted all liabilities. That's my only
23 concern.

24 MR. SCOTT: That's -- it was an asset
25 acquisition document is what it says on the top of it.

1 And I just -- the representation that they merged is
2 not accurate legally, and I just want to be clear.

3 Q. Any other companies or corporations who you've
4 been hired to represent as an expert in the Thompson
5 case that you know of?

6 A. No, sir.

7 Q. In what areas do you intend to offer expert
8 testimony? Would that be the areas that you were hired
9 for that you've previously told me?

10 A. Yes, sir.

11 Q. You're not an epidemiologist, are you, sir?

12 A. True.

13 Q. Mr. Scott has hired Dr. Irons to act as an
14 epidemiologist in this case. You're aware of that?

15 MR. SCOTT: Object to the form.

16 A. I didn't think he hired Dr. Irons to do that,
17 but he may have.

18 Q. You're -- you were not aware of that; is that
19 right?

20 A. He's here as a toxicologist. I didn't know
21 that he was also serving as an epidemiologist.

22 Q. All right. Do you understand Dr. Irons to be
23 an epidemiologist or an expert in epidemiology?

24 MR. SCOTT: Object to form.

25 A. With respect to benzene, he may well be.

1 Q. Is that your understanding?

2 MR. SCOTT: Object to the form.

3 A. I would -- I don't have an understanding on
4 that. I just know he knows benzene very, very well and
5 would expect he knows the epidemiology of benzene.

6 MR. BROWN: All right. Let me object to
7 responsiveness.

8 Q. You're not a medical director or a
9 hematologist, are you, sir?

10 A. True.

11 Q. Dr. Natelson has been hired by Mr. Scott for
12 that area, correct?

13 A. That's my understanding.

14 Q. You're not a professional engineer?

15 A. Not yet, no.

16 Q. You're not a human factors engineer, are you?

17 A. No. I've just had some training in that area.

18 Q. All right. And you're not a warnings expert,
19 as you told us yesterday, correct?

20 A. I'm very familiar with it. I wouldn't hold
21 myself out as a warnings expert, as I defined it
22 yesterday.

23 Q. Do you intend to offer any opinions with
24 regard to warnings or sufficiency or adequacy of
25 warnings in this case?

1 A. If asked, I would -- I would have views about
2 it, sir.

3 Q. All right. But have you -- have you been
4 asked to do that so far in your preparation for your
5 deposition here today?

6 A. I've been given documents that have warnings
7 on them, and I've looked at the MSDSes and have been
8 asked to be prepared to deal with that since I believe
9 both Parker and Nicas have dealt with it. That's why I
10 think it's -- Mr. Scott probably included me under that
11 rubric of industrial hygiene, since they have claimed
12 to cover warnings both as industrial hygienists.

13 MR. BROWN: Let me object to the
14 nonresponsive portions.

15 Q. Your CV we marked yesterday as Exhibit 11,
16 correct?

17 A. I don't remember what the exhibit number is.

18 Q. I'll represent to you it was Exhibit 11.

19 A. I'll trust you. That's fine.

20 | Q. Okay.

21 A. And we can use it in this case.

22 Q. All right. Thank you very much. That's what
23 I was trying to do is avoid having to duplicate and
24 remark it.

25 That CV is accurate and up to date?

1 A. Yes, sir, except for what I mentioned
2 yesterday.

3 Q. Who else at ChemRisk has done work on the
4 Thompson case with you?

5 A. Jen Sahmel -- actually, the same people I
6 mentioned yesterday.

7 Q. Could you give me the list --

8 A. Sure.

9 Q. -- today? I didn't write that down yesterday.

10 A. Jen Sahmel, Tyler Ferracini, Dallas Cowan,
11 Amanda Phelka, maybe Kay Devlin, maybe Kara Franke, our
12 librarian, Carrie Kahn. And I think the administrative
13 work was done by Kimberly.

14 Q. All right. Jen Sahmel, what is her title?

15 A. I don't know the titles of these people. I
16 can tell you about their educations, but I don't
17 remember everybody's titles.

18 Q. All right. What --

19 A. She's -- she's the office manager in Boulder,
20 and she's a senior health scientist.

21 Q. She's a certified industrial hygienist?

22 A. She is.

23 Q. Is she a toxicologist?

24 A. No.

25 Q. All right. Tyler Ferracini -- is that -- am I

1 pronouncing that correctly?

2 A. I'm -- I'm probably mispronouncing it, but
3 Tyler could straighten me out right now if he wanted
4 to.

5 Q. All right. Well, we'll go based on what --
6 how you know him.

7 What's his title; do you know?

8 A. No.

9 Q. What's his education or qualifications?

10 A. Environmental science.

11 Q. Is he an industrial hygienist?

12 A. No.

13 Q. Toxicologist?

14 A. I don't -- he wouldn't hold himself out as a
15 toxicologist. He may have some training in that area.

16 Q. Engineer?

17 A. No, sir.

18 Q. Certified safety professional?

19 A. Not yet. He's too young.

20 Q. And -- okay. How about Dallas? And I didn't
21 get Dallas' last name.

22 A. C-O-W-A-N. Ph.D. toxicologist, MS industrial
23 hygiene. Too young to be certified in either. And he
24 would be a mid-level scientist.

25 Q. All right. How about Amanda?

1 A. Phelka. She's a Ph.D. toxicologist.

2 Q. Industrial hygienist?

3 A. No.

4 Q. Kate?

5 A. Devlin. Environmental science.

6 Q. Toxicologist?

7 A. No.

8 Q. Industrial hygienist?

9 A. No.

10 Q. Safety professional?

11 A. No.

12 Q. Kara?

13 A. Franke.

14 Q. What's her title or --

15 A. I don't know what the title is. Education
16 would be a master's in public health, specialty in --
17 probably environmental science and epidemiology.

18 Q. All right. Do you have your invoices for this
19 case?

20 A. Yes, sir.

21 Q. Could I see those, please.

22 Do you mind if we just mark the folder as
23 Exhibit 2?

24 A. Oh, it's -- you probably just want to mark the
25 exhibit, but there's several copies in there. There

1 you go.

2 MR. BROWN: Thank you.

3 (Exhibit Number 2 marked.)

4 Q. Can you identify Exhibit 2, sir.

5 A. Sure. This is the December 31st, 2009 invoice
6 for work performed in December. It was probably
7 received sometime in early February. That's -- yeah,
8 that would probably be about right.

9 Q. All right. Is it -- the invoice current and
10 up-to-date as of December 31st for the charges that you
11 have, on behalf of yourself and your company, billed
12 Mr. Scott --

13 A. Yes.

14 Q. -- for your work in this case?

15 A. Yes, sir.

16 Q. All right. And you do that -- on a monthly
17 basis, you send out invoices?

18 A. Yes, sir.

19 Q. All right. So do you know when you sent out
20 the December invoice?

21 A. Yeah. I'm going to guess it was sent
22 January 22nd, thereabouts.

23 Q. All right. So your January invoice will be
24 going out shortly; is that right?

25 A. Right.

1 Q. Do you know how much work you've done in
2 January?

3 A. I'd have to guess -- or estimate.

4 Q. What's your best estimate?

5 A. I'm going to guess it's approaching probably
6 30,000, 35,000.

7 Q. In January alone?

8 A. I'm guessing that, yes.

9 Q. How about February?

10 A. Not so much. After the report was issued, I
11 wouldn't expect it's that significant, other than to
12 prepare for -- Ms. Sahmel and I for -- for this day.
13 And I guess she was pretty much restricted to Nolen,
14 not so much Thompson. I don't -- I don't know. I'm
15 going to -- I could say 5 to 15,000.

16 Q. Is that -- I mean --

17 A. That's my best estimate for February.

18 Q. Your -- your accounting would have the -- the
19 accurate figure?

20 A. Sure.

21 Q. For December, what's your total there?

22 A. 26,000.

23 Q. You said not so much in February because after
24 the report was issued, it wasn't --

25 A. It's not really a -- a report, but assembling

1 our thoughts on -- on the matter and examining the
2 testimony of the various experts, including Dr. Nicas.

3 Q. Have you prepared a formal report in this
4 case?

5 A. No.

6 Q. Okay. What is -- are the hourly rates of each
7 of these individuals -- can I find those from
8 Exhibit 2?

9 A. Yes, sir.

10 Q. All right. Have you relied upon the work --
11 excuse me.

12 Have you reviewed work done by Jen Sahmel
13 in this case, in the Thompson case?

14 A. Sure.

15 Q. Have you relied upon her work in this case?

16 A. Sure.

17 Q. What work has she done in this case?

18 A. Well, it -- between her -- I didn't mention
19 Ken Unice. I should have mentioned Ken Unice. Ken --
20 he's in our Pittsburgh office, and he focuses on
21 mathematics and modeling, and he has an MS in chemical
22 engineering.

23 Jen worked on the odor component and the
24 dermal component of the analysis as well as overall
25 project management.

1 Ken worked on the modeling.

2 I may -- go ahead.

3 Q. I didn't mean to interrupt you.

4 A. That's fine. If you were going to ask me
5 about the others, it's --

6 Q. No, I wasn't. I was --

7 A. Okay.

8 Q. Ken worked on the modeling?

9 A. Yes, or at least attempts to reconstruct what
10 Dr. Nicas did.

11 Q. All right. So you've reviewed Ken Unice's
12 work in this case?

13 A. Yes, sir.

14 Q. And you've relied upon his work, correct?

15 A. Yes.

16 Q. And you -- you said he's a mathematical
17 modeler. Is he a statistician?

18 A. Chemical engineer, MS chemical engineer. He's
19 capable in statistics, but his specialty is modeling.

20 Q. Is Ken's hourly rate on Exhibit 2?

21 A. Yeah. And you have to back-calculate it just
22 by dividing the total from the hours.

23 Q. Can you -- let me do this: Can you tell me
24 what Jen Sahmel's hourly rate is?

25 A. Sure.

1 225.

2 Q. All right. How about Ken Unice's hourly rate?

3 A. 210.

4 Q. And Tyler Ferracini?

5 A. He's not in this invoice.

6 Q. Do you know what his hourly rate is?

7 A. I'm going to guess about 125.

8 Q. How about Dallas Cowan?

9 A. I'm going to need to think about -- well,
10 let's see if he's in here.

11 He looks to be very close to 200.

12 Q. How about Amanda Phelka?

13 A. Very close to 200.

14 Q. Kate --

15 A. Devlin.

16 Q. -- Devlin, sorry.

17 A. I'm going to guess 160. She's not on here.

18 Q. Kara Franke?

19 A. I'm going to guess 150. She's -- she's not on
20 here -- oh, she is. Hold on. Pretty good guess.
21 Looks like about 160, 165.

22 Q. Okay. And you've reviewed each of these
23 employees of yours' work and relied upon their work
24 that they've done in this case, right?

25 A. That's right.

1 Q. What is your hourly rate for testifying, sir?

2 A. 575.

3 Q. And that's the same rate whether it's
4 testifying in deposition or trial or working for
5 ChemRisk for a nonlitigation matter?

6 A. Right. It's always 575. And I don't charge
7 for travel time.

8 Q. You've stated before that while it varies from
9 month to month, as much as 80 percent of your company's
10 income is derived from working and testifying for
11 corporations in litigation, correct?

12 MR. SCOTT: Object -- object to form.

13 A. That's not true. You --

14 Q. That's not correct?

15 A. The question yesterday was about me, and I
16 said it varied from 30 to 80, with a best average of
17 around 50 to 60. But it was me; it was not the
18 company.

19 Q. All right.

20 A. The company is a different matter.

21 Q. All right. Fair enough.

22 With regard to your income, is it fair to
23 say that as much as 80 percent of your income is
24 derived from testifying on behalf of corporations in
25 litigation?

1 A. No.

2 MR. SCOTT: Object to form.

3 A. Because that was a month-to-month value.
4 That's why I gave you the 50 to 60 percent range. So
5 of my billings, I'd say 50 to 60 percent.

6 MR. SCOTT: And the question was income,
7 so be careful here. He didn't ask you about billing.
8 So just -- just --

9 A. Well, it actually has changed here in the last
10 question, so --

11 MR. SCOTT: I know. That's --

12 A. Well, which one do you want to answer? One
13 was billings; one was income.

14 Q. All right. Let's talk about --

15 A. And one was company versus me, I think, so I
16 just --

17 Q. All right. Let's talk about with regard to
18 your billings. How much of your --

19 A. Me, personally?

20 Q. Yes, sir. How much of your billings is for
21 testifying for corporations in litigation?

22 A. That's another different question. Did you
23 mean testifying?

24 Q. Yes, sir.

25 A. Not very much. Maybe 10, 20 percent of my

1 billings.

2 Q. How much of your total billings is working for
3 corporations in litigation, on litigation matters?

4 A. On average or other -- during the year?

5 Q. Yes.

6 A. I think -- I'll stick with 50 to 60 percent,
7 maybe 70.

8 Q. Okay. How much of your income is from working
9 for corporations involved in litigation?

10 A. I wouldn't -- I don't think I -- I would be
11 able to answer that because my income is based on the
12 company's performance, and it -- I don't have a way to
13 back-calculate how that would break out with respect to
14 litigation-related issues.

15 Q. How much of your company, ChemRisk's, billings
16 are for working for corporations in litigation?

17 A. I think I went through that yesterday. I'm
18 going to say 50 to 60 percent, and that includes the
19 research component.

20 Q. Do you know what your company's gross income
21 was, ChemRisk's gross income, for the year 2009?

22 A. I do.

23 Q. What is it?

24 A. I -- I'm going to -- I believe it's protected
25 under privacy. It's a privately held firm.

1 Q. All right. So you won't answer the question
2 on what your company's gross income was for 2009; is
3 that right?

4 A. I just think I'm privileged not to have to
5 share that.

6 Q. And that's why you're not answering is just
7 you think it's privileged?

8 A. That's right.

9 Q. Have you talked with counsel about that?

10 A. I have not talked with counsel about that.

11 Q. Is there any problem with the jury knowing how
12 much of your company's income comes from litigation
13 where you've been retained as an expert to testify on
14 behalf of corporations?

15 MR. SCOTT: Object to the form.

16 A. I've already answered that question.

17 Q. I'm just saying, is there any problem with the
18 jury knowing that?

19 A. Oh.

20 MR. SCOTT: Object to the form.

21 A. No, I --

22 MR. SCOTT: Are you willing to give me tax
23 returns for all your experts?

24 Q. Sir, is there any problem with the jury
25 knowing how much of your company's income comes from --

1 or how much of your -- well, strike that.

2 Is there any problem with the jury in this
3 case knowing how much of your company's income on an
4 annual basis comes from being involved in litigation
5 representing corporate clients?

6 MR. SCOTT: Object to the form.

7 A. I've just answered that question to you and on
8 television.

9 Q. My question was, is there any problem with the
10 jury knowing --

11 A. Oh, I don't mind if they know that. That's
12 why I shared it.

13 Q. Well, you didn't share what your company's
14 income was.

15 MR. SCOTT: You just asked him how much
16 of; you didn't ask him how many dollars.

17 MR. BROWN: Bob -- Bob, I'm -- my --
18 please don't interrupt.

19 MR. SCOTT: Well, this is ridiculous.

20 MR. BROWN: Just make -- just object to
21 the form --

22 MR. SCOTT: Object to the form.

23 MR. BROWN: -- like we agreed.

24 MR. SCOTT: Object to the form. It's a
25 legal conclusion also. You know, is there any problem?

1 Do you mean a legal problem? The judge would decide
2 that.

3 Q. You said you answered my question about your
4 company's income, and to be honest and fair, you didn't
5 answer that question. You thought it was private,
6 correct?

7 MR. SCOTT: Let's stop right now. Would
8 you read back the question he asked. He asked -- he
9 answered exactly the question you asked.

10 MR. BROWN: Don't interrupt me or I'll
11 stop the deposition and I'll --

12 MR. SCOTT: Well --

13 MR. BROWN: -- because you're violating
14 our agreement. Now, I don't want to do this, Bob.
15 It's a simple question.

16 MR. SCOTT: Listen to your own questions.
17 He answered -- you're not hearing your own questions.
18 He precisely answered what you asked him. You didn't
19 hear it that way, but that's what he did, and the
20 record will reflect it. So -- just don't represent
21 that he didn't answer the question you asked. He did.

22 Q. I'm going to go back and see if we can clear
23 this up.

24 What was your company's income for --
25 during the year 2009?

1 A. That, I didn't answer.

2 Q. That's private?

3 A. Yes, sir.

4 Q. And because we don't know that, we can't go
5 back and apply the percentage that you gave to us to
6 determine how much of your company's income from 2009
7 came from representing corporations in litigation,
8 correct?

9 MR. SCOTT: Object to the form.

10 A. You wouldn't know the absolute dollars
11 invoiced. You wouldn't know that. You would be able
12 to talk about claims, about bias, or anything else you
13 would like to talk about based on the percent that I've
14 offered you.

15 Q. All right.

16 A. And that's what I've done in many, many other
17 depositions and cases.

18 Q. All right. You know what the 2009 income for
19 ChemRisk was?

20 A. Sure.

21 Q. And you've said that 50 to 60 percent of that
22 has come from representing corporations in litigation.

23 A. I would expect that. And it could even be as
24 high as 70, yes.

25 Q. Can you tell me what that 70 percent figure

1 would be?

2 MR. SCOTT: Object to the form.

3 A. I could tell you that.

4 Q. But you're not because you think it's private?

5 A. Yes, sir.

6 Q. Okay. All right. You testified as an expert
7 back in the McCarty case in 2006 when Mr. Scott hired
8 you to testify on behalf of Van Waters & Rogers and
9 Univar in that case, correct?

10 MR. SCOTT: Object to the form.

11 A. I don't recall. Is that the deposition you
12 and I had previously?

13 Q. Yes, sir. That would be the McCarty case,
14 September 8, 2006.

15 A. Seems like yesterday.

16 Q. Time does get by you; I agree.

17 But you recall that deposition --

18 A. I do.

19 Q. -- correct?

20 You recall Mr. --

21 A. I actually recall you more than the
22 deposition.

23 Q. Yeah, well, we won't go into that.

24 You will recall that Mr. McCarty was a Van
25 Waters & Rogers employee who had filled 55-gallon drums

1 of benzene and had developed AML, correct?

2 MR. SCOTT: Object to the form.

3 A. I don't remember specifically if that's the
4 facts of the case. If you say it is, I trust you.

5 Q. Right. No reason to dispute that.

6 Do you remember Mr. McCarty had AML?

7 A. I do remember that.

8 Q. Do you remember that he worked for Van
9 Waters & Rogers and what he alleged in his pleadings is
10 that he filled 55-gallon drums with benzene?

11 A. If you stipulate that's what it was. It
12 sounds familiar.

13 Q. All right. Do you recall he had a coworker
14 named Joseph Beverly who worked side by side with him
15 doing that job?

16 MR. SCOTT: Objection, form.

17 A. I do remember that. I don't know if it was
18 the same job, but I do remember Mr. Beverly.

19 Q. Did you know Mr. Beverly developed AML?

20 MR. SCOTT: Object to the form.

21 A. Yes, sir.

22 Q. How did you first learn that?

23 MR. SCOTT: Object to the form.

24 Go ahead.

25 A. I don't recall.

1 Q. Did you learn it before your work in this case
2 beginning in December of 2009?

3 A. Yes, sir.

4 Q. All right. As a toxicologist and an
5 industrial hygienist, does it concern you that two
6 side-by-side coworkers for a company doing the same
7 job, filling up 55-gallon drums of benzene, have both
8 developed AML?

9 MR. SCOTT: Object to the form.

10 A. Well, you would have to know their complete
11 work histories to -- before you would be able to link
12 together any cause, occupation and effect relationship.

13 Q. It would concern you, wouldn't it, sir?

14 MR. SCOTT: Object to the form.

15 A. It would certainly make me look at the matter
16 and examine their work histories.

17 Q. And you do have -- you do have the
18 information -- or their work histories, or at least you
19 had it, correct?

20 MR. SCOTT: Object to the form.

21 A. I don't recall that I had Mr. Beverly's work
22 history in its entirety. I just don't remember now.

23 Q. Well, assume with me that two coworkers worked
24 side by side filling up 55-gallon drums of benzene out
25 in a parking lot of their business in Beaumont where

1 they would drum out of a tank truck directly into the
2 55-gallon drums and they did that for several years
3 during the late '60s and they both developed AML.
4 Would that concern you that there's a cause-and-effect
5 relationship between their work and the benzene and
6 their disease?

7 MR. SCOTT: Object to the form.

8 A. Again, I'd have to look at the facts of the
9 matter and their whole work history. It's possible
10 they worked each 10 years in different occupations that
11 also had exposure to benzene. And I'd also like to
12 look at -- at their own medical records with respect to
13 latency and a number of other factors you have to look
14 at.

15 Q. All right. As a professional toxicologist and
16 industrial hygienist, would that type of result from
17 people who worked side by side -- would that raise
18 suspicions in your mind that what they were exposed to
19 in their workplace could have caused what they ended up
20 with?

21 MR. SCOTT: Object to the form.

22 A. Yes.

23 Q. AML?

24 A. Mr. Brown, every so often can I ask Mr. Scott
25 what the -- the form is so can I try to figure that

1 out?

2 MR. SCOTT: It's got nothing to do with
3 this case, just to start out with.

4 THE WITNESS: Thanks. I just wanted to
5 know a little bit about that.

6 Q. Well, we'll talk a little bit more about it
7 here in a minute.

8 A. Okay.

9 Q. Did you recall that Mr. McCarty, from his
10 case, had myelodysplastic syndrome with 5q minus
11 syndrome that transformed into AML?

12 MR. SCOTT: Object to the form.

13 A. Mr. Beverly did?

14 Q. No. I said Mr. McCarty.

15 A. Oh, Mr. McCarty.

16 MR. SCOTT: Object to the form.

17 A. I don't remember the subtype.

18 Q. You know Mr. Nolen has myelodysplastic
19 syndrome, 5q minus syndrome, correct?

20 MR. SCOTT: Object to the form.

21 A. That's my recollection.

22 THE WITNESS: I think we need to take a
23 break for a second.

24 MR. BROWN: Why don't we do that.

25 THE VIDEOGRAPHER: The time is 8:31. We

1 are going off the record.

2 (Recess 8:31-8:37 a.m.)

3 THE VIDEOGRAPHER: The time is 8:37. We
4 are back on the record.

5 Q. All right, sir. Since your deposition in the
6 McCarty case, have you testified on behalf of Van
7 Waters & Rogers?

8 A. Not that I recall.

9 Q. How about for McKesson Chemical?

10 A. No, sir.

11 Q. How about for Univar?

12 A. Not that I recall.

13 Q. The last time you told us you had testified
14 between 100 and 150 times on behalf of corporate
15 defendants. I think yesterday you produced your
16 testimony list that showed an additional approximately
17 100 times. Is that correct?

18 A. I know what you mean, but the question's not
19 precise. I think the first time you deposed me I said
20 up to 150, and then yesterday we said -- we estimated
21 that there had been another 150. But we all agreed
22 that the federal disclosure would speak for itself. I
23 don't know what the total is. We're just guessing.

24 Q. I understand. But those are -- those are
25 approximate, good as you can guess ballpark figures,

1 correct?

2 A. Well, frankly, I probably should have had
3 somebody count them up. And maybe we'll do that at the
4 break, just since it's in the disclosure.

5 Q. Well, the disclosure statement, is it all your
6 testimony or is it just federal testimony?

7 A. I think it's called a federal disclosure, but
8 it's all my testimony.

9 Q. Okay.

10 A. For five years, which I think is the
11 requirement.

12 Q. All right. Would it be unrealistic to say
13 that you've testified between 250 and 300 times in your
14 life on behalf of corporate defendants involved in
15 litigation?

16 A. If we -- if we include depositions, that's
17 about right.

18 Q. Okay.

19 A. That would be my -- that's a good guess.

20 Q. How many times have you testified within the
21 last year on a benzene-related issue?

22 A. Do you want a precise answer?

23 Q. Ballpark would be good.

24 A. I would guess less than 10.

25 Q. Would it be more than five?

1 A. Maybe not. It could even be as few as two or
2 three times.

3 Q. Okay. Several times. You -- you have
4 testified within the last year on benzene cases?

5 A. We should look.

6 Q. Okay. Go ahead. Feel free.

7 THE WITNESS: Mr. Cowan, would you -- I
8 don't have the federal disclosure here.

9 Q. I think we marked that as an exhibit
10 yesterday.

11 A. We did. But I have an extra one.

12 Q. Why don't we do this, as you suggested --

13 A. Do you want to do it at the break?

14 Q. Let's do it at the break.

15 A. Okay.

16 Q. He can maybe help you out, and then we'll do
17 that, and then we'll move on.

18 A. All right. We're ready. I will do the --
19 we'll answer the benzene question now.

20 Q. Okay.

21 A. The last year was the question, right?

22 Q. Yes, sir.

23 A. No.

24 Q. You have not testified on a benzene case
25 within the last year?

1 A. It doesn't look like it.

2 Q. Can you tell me, from looking at your list,
3 what was the last benzene case you testified in?

4 A. Sure. August 8th -- no, I'm sorry.
5 August 21st, 2008, Stevenson case.

6 Q. Who was the plaintiffs' attorney in that case;
7 do you know?

8 A. I don't.

9 Q. Thank you very much, sir.

10 Have you ever testified on behalf of a
11 person who claimed that exposure to benzene caused him
12 or her to develop a disease?

13 A. For the plaintiffs, you mean?

14 Q. Yes, sir.

15 A. No, sir.

16 Q. Have you ever testified under oath in any case
17 where you were hired by --

18 A. Well, excuse me. I was a fact witness for the
19 plaintiffs in a benzene case quite a few years ago.

20 Q. When was that?

21 A. It was Meyers versus Exxon or Eli Lilly.

22 Q. Is that on your testimony list?

23 A. No. It wouldn't go back that far, but it's at
24 least 10 years ago.

25 Q. All right. Remember when I asked you McCarty

1 and you hadn't -- you informed me you had never
2 testified on behalf of any plaintiff who claimed that
3 exposure to chemicals caused them --

4 A. Yes.

5 Q. -- to develop a disease?

6 A. Yes. And I --

7 MR. SCOTT: Object to the form.

8 A. The way I heard the question then, and even
9 this morning is -- is as an expert. But for sake of
10 completeness -- because I gave no expert testimony; it
11 was a fact witness. And I don't know if that entered
12 under your rubric or not.

13 Q. All right. When you testified in this -- what
14 is it, Meyers case, you testified as a fact witness?

15 A. Yes, sir.

16 Q. Did you -- you said you provided no opinions,
17 correct?

18 A. I think that's right, yeah.

19 Q. So you did not testify that exposure to any
20 chemical caused the person's disease?

21 A. Right.

22 Q. All right. So you've never testified that
23 exposure to benzene --

24 A. Yeah.

25 Q. -- ever caused anybody to develop a disease?

1 A. Yeah. I was just trying to give you as
2 complete an answer as possible.

3 Q. All right. So in all the 250 to 300 times
4 that you've testified as an expert, you've never
5 testified that anyone's alleged exposure to any
6 chemical caused their disease; is that correct?

7 MR. SCOTT: Object to form.

8 A. You've now moved from benzene to any chemical?

9 Q. Any chemical, yes, sir.

10 A. Well, I've never been retained by the
11 plaintiffs. If I'd have been retained by the
12 plaintiffs, then I would have testified to that, right?
13 I mean, you don't get -- if you're testifying for the
14 defendants -- if they -- they would have settled the
15 case, they wouldn't use you as an expert to testify
16 that -- that it caused a disease; they'd settle the
17 case before they'd do that.

18 MR. BROWN: Well, let me object to the
19 responsiveness.

20 Q. Is the answer to my question, you have never
21 testified?

22 A. I've never been asked to testify by a
23 plaintiff in a case. I would consider it if I was
24 asked.

25 Q. All right. And just so we can be clear, in --

1 in any case where you have testified as an expert, you
2 have never testified that a plaintiff's exposure to any
3 chemical has caused his alleged disease; is that a fair
4 statement?

5 MR. SCOTT: Object -- object to the form.

6 A. It's the same answer. I've not been retained
7 by the plaintiffs and been offered an opportunity to
8 give testimony that the chemical caused the disease.

9 Q. All right.

10 MR. BROWN: Let me object to
11 responsiveness.

12 Q. You can't answer my question yes or no?

13 MR. SCOTT: Object to the form.

14 A. I think it would be misleading if I gave a
15 yes-or-no answer.

16 Q. All right. You've -- you've told me earlier
17 you have not prepared any report in this case, correct?

18 A. True.

19 Q. Do you have any form- -- formal opinions in
20 this case?

21 A. Yeah, I've formed some opinions.

22 Q. What are your opinions?

23 THE WITNESS: Thank you.

24 A. Would you like me to read them, or do you want
25 to read them?

1 Q. Well, why don't we do this: Have you got an
2 extra copy there?

3 A. Sure.

4 Q. May we mark one as a -- as a --

5 A. You bet.

6 Q. -- deposition exhibit? All right.

7 MR. BROWN: Are we up to Number 4? Oh, 3.

8 THE WITNESS: 3, I think.

9 (Exhibit Number 3 marked.)

10 Q. All right. I want to -- maybe we can look on
11 one of your copies there.

12 A. Sure.

13 Q. There we go.

14 Can you identify Exhibit 3, sir?

15 A. Yes. This is an overview of my three opinions
16 in this case.

17 Q. All right. Does Exhibit Number 3 accurately
18 state each of your opinions that you've formed in this
19 case?

20 A. I believe so.

21 Q. Are there any other opinions that you intend
22 to offer in this case, other than what's stated here on
23 Exhibit 3?

24 A. It would depend on what you asked me. I'm
25 happy to answer those questions, and they might produce

1 other opinions, but these are the three central
2 opinions.

3 Q. All right. You knew you were coming to give a
4 deposition in the case as an expert on the issues that
5 Mr. Scott hired you for, correct?

6 A. Yes, sir.

7 Q. You took the time to prepare an exhibit that
8 contained the opinions you thought were significant in
9 this case, correct?

10 A. True.

11 Q. Can we rely upon Exhibit 3 as what your best
12 understanding of what your opinions are going to be in
13 this case when we're down there in front of a jury?

14 MR. SCOTT: Object to the form.

15 A. I don't understand. If you ask me something
16 about, let's say, Dr. Nicas on some matter that I
17 haven't addressed here or Dr. Parker or whoever, I
18 think they call that an opinion if I answer your
19 question. That's -- so that -- I'll be happy to answer
20 those, and I think they're considered opinions.

21 If you were to limit your -- your
22 questions to only these topics, these would be my
23 opinions.

24 Q. Well, you -- you've reviewed a lot of
25 information in this case, haven't you, sir?

1 A. I have, indeed.

2 Q. All right. And of all the information that
3 you reviewed -- and you -- you've spoken with counsel
4 about what your role was in this case, correct?

5 A. Yes.

6 Q. And of all those, today you show me Exhibit 3,
7 and these three opinions are what you thought was
8 significant and what you wanted to convey in this case
9 as an expert, correct?

10 A. True.

11 Q. All right. Fair enough.

12 Do you intend or plan to offer any
13 opinions on causation of Mr. Thompson's disease in this
14 case?

15 A. I don't believe so.

16 Q. In your designation as an expert, which we've
17 marked as Exhibit 1, it said that you might well do
18 that?

19 A. Yes, sir.

20 Q. Has there been a decision made that you would
21 not do that for some reason?

22 A. I guess Mr. Scott, I'm assuming, is supposed
23 to answer that.

24 Q. I'm talking about to your knowledge.

25 A. My understanding is I'm not going to, but I'm

1 prepared to, if asked.

2 Q. All right. Tell me what work that you did do
3 in preparation of your opinions that we've got marked
4 here as Exhibit 3.

5 Let's start out by saying, what testimony
6 did you review?

7 A. Oh ...

8 THE WITNESS: No, I need the real -- the
9 items reviewed list. Oh, we've already made it an
10 exhibit? No, I don't think so.

11 Thanks.

12 Q. Let's go ahead and do the same thing with that
13 list --

14 A. Sure.

15 Q. -- if that's okay.

16 A. Sure.

17 Q. Thank you, sir.

18 A. Do you want one for yourself?

19 Q. Well, you know what? If I can -- whichever
20 one. I can mark this one, and you can have one. It
21 doesn't matter.

22 A. Okay.

23 (Exhibit Number 4 marked.)

24 A. Okay. So I looked at the Thompson deposition,
25 the Jimerson deposition, the Nicas deposition, the

1 Parker deposition. There was a couple of them, I
2 guess. The Gardner deposition. I looked at the
3 summary on Wes Clayton.

4 Q. On Wes Clayton?

5 A. Yes, sir.

6 I think that's all the depositions I
7 looked at.

8 Q. Who is Wes Clayton?

9 A. He was a professor who once worked at DuPont
10 Haskell Labs.

11 Q. When did you -- when do your records indicate
12 that you received a summary on Wes Clayton?

13 A. We received the deposition on February 2nd. I
14 would have read the summary after that. The deposition
15 was -- appears to have been taken in another matter.

16 Q. Any other testimony, other than John
17 Thompson's deposition, the deposition of Mr. Jimerson,
18 the deposition of Mark Nicas, Frank Parker, Frank
19 Gardner, and a summary of the deposition of Wes
20 Clayton?

21 MR. SCOTT: Object to the form.

22 A. That I read specific to this case?

23 Q. Yes, sir.

24 A. Well, I looked at a couple depositions by
25 Wellen. And there may be a couple others that we could

1 look at the materials received. Those are the ones
2 that come to mind.

3 Oh, I've read Rich Irons' deposition.
4 I -- that's fairly complete.

5 Q. Okay. You said you reviewed a summary of Wes
6 Clayton's deposition. Do you have that summary?

7 A. Yes, sir.

8 Q. May I have a -- may -- may we mark a copy of
9 that summary?

10 A. Of course.

11 (Exhibit Number 5 marked.)

12 MR. BROWN: All right. Let's mark that as
13 Exhibit Number 5.

14 Q. Is this the summary of the deposition of John
15 Wesley Clayton that you said you reviewed?

16 A. Yes, sir.

17 Q. Did you prepare this summary or somebody that
18 worked for you?

19 A. Someone that worked for me prepared it, after
20 having looked at the deposition.

21 Q. Who prepared it; do you know?

22 A. I don't.

23 Q. It's somebody from ChemRisk?

24 A. Yes, sir.

25 Q. And other than the summary, did you review any

1 other testimony or the actual deposition of
2 Mr. Clayton?

3 A. I only looked at this summary. And I don't
4 think Irons is listed in the items received because it
5 came late.

6 Q. We just did him early February --

7 A. Yeah.

8 Q. -- so it may not be on your list.

9 A. I can add it, if you'd like, here at the
10 bottom.

11 Q. We'll just note it -- for the record, it's
12 noted.

13 All right. You, personally, reviewed and
14 read John Thompson's deposition, correct?

15 A. Yes.

16 Q. What is your understanding of John Thompson's
17 work history as it relates to your opinions that are --
18 that is significant in this case?

19 A. He seemed to have worked as a -- what I'd call
20 a laborer or possible -- some -- some unions would put
21 him in the carpenters union. Primarily, he -- his
22 exposures that are relevant in this case appeared to be
23 in his work in the oil fields and in cleaning
24 scaffolding and tools. And there's miscellaneous other
25 exposures to benzene that might -- would be relevant in

1 | this case.

2 Q. All right. Tell me about those. Tell me
3 about all the exposures -- first, I -- I was going to
4 ask you about what his work history was, and then I was
5 going to go back and say what exposures in each of
6 those jobs. Can we do it that way?

7	A. Sure.
---	----------

8 Q. What was your understanding of his work
9 history?

10 A. To make this even easier, I have a case
11 summary that I can share with you.

12 Q. All right.

13 A. And you and I together can go through it.

14 THE WITNESS: Thank you.

15 MR. BROWN: Let's mark that as Exhibit 6.

16 THE WITNESS: Could I have another one,
17 please. I'll have Mr. Brown work off this.

18 (Exhibit Number 6 marked.)

19 | A. Okay.

20 Q. What is Exhibit 6?

21 A. It's -- it's what I call a case summary, which
22 is a summary of the case-specific information,
23 particularly on the plaintiff's work history. At the
24 end, I also include a summary of Mark Nicas' work.

25 So at the beginning, this is a general

1 summary as to Mr. Thompson's disease in -- in his
2 discussion of his perceived exposures.

3 And then after that, we go into a summary
4 of his deposition and his Social Security records
5 reflecting his work history.

6 And then -- and then -- and then each of
7 those is discussed individually.

8 Q. Okay. What I would like to do is, for each
9 job that he had which you believe was significant and
10 his exposure history that would be pertinent to your
11 opinions in this case, can you tell me or list those
12 from looking at Exhibit 6 or any other way?

13 A. Sure. Okay. So with the Bill McFarland
14 drilling company, where we worked approximately 1955 to
15 1960, he said that he worked for companies like Gulf,
16 Texaco, Texas Crude, Exxon, and Mobil. In that job, he
17 was a laborer for the drilling company.

18 He said that he was exposed to the -- the
19 muds, which I don't expect contained benzene, and that
20 he was exposed to the condensate, which as we discussed
21 yesterday, there's some people who believe there's
22 small concentrations of benzene.

23 Q. What do you say?

24 A. I think it can vary. They would be very low
25 concentrations.

1 Q. Do you think that his work in the oil field
2 while he was working as a roustabout or a roughneck and
3 getting exposed to condensates or drilling muds or any
4 of the other chemicals he identified in his deposition
5 were a substantial factor in the development of his
6 disease?

7 MR. SCOTT: Object to form.

8 A. I haven't done that analysis yet.

9 And then, of course, in that job, he said
10 that he had exposure to some cleaners, including
11 gasoline distillate, benzene, soap and water. So you'd
12 have to consider that in any analysis.

13 Q. All right. Let me ask you this before we go
14 any further: Are you aware of any peer-reviewed
15 publications that you find reliable where workers in
16 the oil field have an increased risk of developing AML?

17 MR. SCOTT: Object to the form.

18 A. I haven't looked into that matter.

19 Q. All right. So you haven't looked into that
20 for your opinions in this case, correct?

21 MR. SCOTT: Object to the form.

22 A. Because I'm not giving a causation opinion.
23 Okay. At least I -- so far, I have not been asked to.

24 Q. In addition, his work in the oil fields was --
25 for Mr. McFarland was in the 1950s, correct?

1 A. Yes, sir.

2 Q. Do you have an opinion as to whether those
3 exposures in the 1950s in terms of latency would have
4 been a substantial factor in his development of his
5 disease?

6 MR. SCOTT: Objection, form.

7 A. You wouldn't expect so.

8 Q. All right. So you could rule out his exposure
9 in the oil fields as a contributing factor of his
10 disease? You would, correct?

11 MR. SCOTT: Object to the form.

12 A. I'd have to look more carefully at it. As you
13 know, it's an area of debate, and clearly the -- all
14 the experts, even in this case, seem to have a
15 disagreement. I have my own views about latency as to
16 where the -- the grand mean is and where the weight of
17 evidence lies. But it's so controversial in this case,
18 I would consider it in calculating the lifetime dose
19 and then let -- let the -- the experts that have been
20 designated on that topic argue it out.

21 Q. Well, you recall what you testified to in the
22 McCarty case, that his exposures in the late '60s and
23 early '70s -- you said there's no way, based on that
24 latency, those exposures could have caused his illness.
25 Do you remember saying that?

1 MR. SCOTT: Object to the form.

2 A. I don't remember if I said that, but I
3 wouldn't be surprised. If I was to be asked my opinion
4 on this matter in a case -- you know my opinions.

5 Q. All right. Let me ask it this way, then, and
6 let's move on.

7 A. But, you know, if we do this, you're going to
8 be opening the door for me to talk about causation. I
9 don't know if you want to do that.

10 Q. If you -- would you rule out his exposures in
11 the '50s as a cause of his illness --

12 MR. SCOTT: Object to the form.

13 Q. -- his AML? Let me strike that. Let me reask
14 the question.

15 Would you rule out John Thompson's
16 exposures in the oil field in the 1950s as a factor in
17 the development of his AML?

18 MR. SCOTT: Object to the form.

19 THE WITNESS: Can I hear it?

20 (Record read.)

21 A. If I was --

22 MR. SCOTT: The same objection.

23 A. If I was asked to give an opinion about that,
24 I -- I normally would not consider it as a factor in
25 his causation.

1 Q. Thank you.

2 All right. Let's move on to his next job
3 where he worked that you felt was significant in your
4 opinions on benzene exposure in this case.

5 A. Okay. The next setting was Chesser Sutton
6 Drilling Company. He said he worked, again, as a
7 general floor hand or motorman. I'm not familiar with
8 that term until this case.

9 Q. That's the early '60s, correct?

10 A. Yes, sir. In fact, it might be just 1960.

11 Q. And that's similar oil field work as what we
12 just got through discussing, correct?

13 A. It appears it's very similar.

14 Q. The same question there: Would you rule out
15 his exposures in the oil field for Chesser Sutton as a
16 contributing factor of John Thompson's AML?

17 MR. SCOTT: Object to the form.

18 You can answer it.

19 THE WITNESS: Can I ask what the form
20 objection is?

21 MR. SCOTT: It's comical, but -- no, I'm
22 just kidding. Yeah. You're not designated as a
23 causation expert in this case, and there's not enough
24 information provided.

25 THE WITNESS: And -- and because it's

1 Texas, you have to stick with form?

2 MR. SCOTT: There are 20 reasons.

3 THE WITNESS: Okay.

4 MR. SCOTT: And my -- technically, I'm not
5 allowed to answer -- answer your requests for --

6 THE WITNESS: I understand that. That's
7 fine. I know that, but --

8 MR. SCOTT: -- what my form objection is.

9 THE WITNESS: And I appreciate Mr. Brown
10 letting you answer.

11 MR. SCOTT: Mr. Brown's been
12 accommodating.

13 MR. BROWN: Well, I wasn't -- I mean, what
14 can I do? I can't go over there and tell him he can't
15 talk. But that's against the Rules, but --

16 MR. SCOTT: I probably wouldn't listen.

17 MR. BROWN: It's against the Rules.

18 THE WITNESS: All right. Well, I'm trying
19 to be helpful to you, so that's why I'm trying to
20 figure out the form issue, so I don't mis- -- I don't
21 want to misunderstand your question, you know, and give
22 an answer that's not applicable. That's all.

23 MR. SCOTT: Instead of asking me, ask him
24 to make his question more clear.

25 THE WITNESS: Well, I can do that.

1 MR. SCOTT: That may be --

2 THE WITNESS: It was clear to me, but I
3 didn't -- it was clear to me.

4 Q. All right.

5 A. I would normally not consider that 1960
6 exposure as being pertinent to his disease.

7 Q. Okay. Let's go to his next job where you
8 consider he had benzene exposures that are pertinent to
9 this case and -- and are critical to your evaluation of
10 his exposure history in this case, however you would
11 care to characterize it.

12 A. Okay. Do you want, then, to -- you want me to
13 not go over his whole history?

14 Q. No, sir, I'm not asking that. I'm just
15 saying, let's look at his work history. I mean, he had
16 some work history where he built houses.

17 A. Right.

18 Q. I wouldn't think you would think that building
19 houses -- but if they -- if they do -- I'm not speaking
20 for you. Let's talk about the ones you think --

21 A. Okay.

22 Q. -- are critical to your evaluation of his
23 benzene exposure.

24 A. That's -- okay. Good. Good. So, in other
25 words, what I would consider if I was to do a dose

1 calculation?

2 Q. However you want to characterize it.

3 A. Sure. I like that.

4 Okay. The Texas -- at the Texaco gas
5 station, I would expect that the exposures aren't worth
6 considering.

7 Q. All right. You don't believe somebody who
8 works at a gas station gets exposed to benzene -- that
9 those exposures would -- at least in terms of
10 Mr. Thompson's exposures doing the work that he
11 described and you're familiar with and read would have
12 been a substantial factor in the development of his
13 disease; is that correct?

14 MR. SCOTT: Object -- object to the form.

15 A. I would consider it mathematically if I -- if
16 someone asked me to do a cumulative dose. But I -- I
17 don't think they're significant, if -- if one's trying
18 to assess whether or not his disease was caused by
19 benzene.

20 Q. Okay.

21 A. The next thing is -- is he then went on to a
22 Shell service station from 1965 to '66. Of course,
23 there's a potential for exposure to benzene because of
24 the content in gasoline. I would not expect that to be
25 appreciable. I'd consider it in the calculation, but I

1 don't expect it to be appreciable.

2 The next job, the B&B Engineering and
3 Supply Company job, 1966 to '67, as you know, that gets
4 more complicated. In that job, he says he worked at
5 the DuPont Sabine River Works off and on, and he says
6 that he was exposed -- he thinks he was exposed to
7 benzene.

8 Q. All right. Let me -- let me stop you there.
9 Two things you said. You said he thinks he worked at
10 that facility off and on during the period of '66
11 through '67?

12 A. '68 -- or '66 to '67 and --

13 Q. Your --

14 A. -- a little bit on '68.

15 Q. All right. But your summary said '67, is that
16 right, or does it say '68?

17 A. It says both, actually.

18 Q. Okay. Okay. I'll represent to you that his
19 testimony was from '66 to '68, as best he recalled.
20 Correct?

21 A. Yes, that's what he said.

22 Q. Now --

23 A. But I don't know what -- you know, if it's not
24 much into '68, then that's why I said '66-'67. But
25 you're right, '66 to '68.

1 Q. But the -- the main issue I wanted to focus on
2 there is you said off and on. Where did you get that
3 information? Did you get that out of his deposition?

4 A. I thought so.

5 Q. All right. It's -- I'll represent to you,
6 sir, that it's my understanding he did not work there
7 off and on during the period he was working for B&B
8 as --

9 A. You think it's only that site?

10 Q. I think during that period he worked at that
11 site.

12 A. Okay.

13 Q. Do you have information that you could show me
14 that would say otherwise? Because I don't want to
15 dispute you. This is my recollection of the man's
16 testimony.

17 A. That's what he said. I just -- the reason I
18 said off and on is when you're a contractor like this,
19 it's unusual to stay at one site for -- exclusively for
20 two years. But I -- I'll -- I'm going to accept his
21 testimony as presented. I haven't considered it any
22 other way.

23 Q. I will represent to you, in all fairness, that
24 he did say when he worked for Sabine Insulators at the
25 Texas US Chemical and at the B.F. Goodrich facility, he

1 did work there off and on.

2 A. Okay.

3 Q. All right. So we're up -- from '67 to --

4 A. We're -- I think we're on -- I think we're --
5 we're talking the same thing.

6 THE WITNESS: We're done, aren't we?

7 MR. BROWN: We're at two minutes, so why
8 don't we change the tape and take another break. Is
9 that okay?

10 THE WITNESS: Okay. Sure.

11 THE VIDEOGRAPHER: The time is 9:05. We
12 are going off the record. This is the end of tape 1.

13 (Recess 9:05-9:13 a.m.)

14 THE VIDEOGRAPHER: The time is 9:13. We
15 are back on the record. This is the beginning of
16 tape 2.

17 Q. All right. We were talking about his
18 pertinent work history that were critical or pertinent
19 to your evaluation of his benzene exposure history.

20 A. Yes.

21 Q. We were through his work at DuPont Sabine
22 River Works for B&B Insulation, and we were going to go
23 to the next workplace that you thought was significant.

24 A. Right. He then, around 1970, worked through
25 Sabine Insulators at Texas US Chemical, where he

1 describes exposures that he thinks he had to benzene as
2 well as B.F. Goodrich.

3 I did -- I did not think it was likely
4 that his construction work, where he was exposed to
5 paints, was likely to expose him to much benzene.
6 He -- he doesn't talk about it there.

7 I can go back -- I would consider it in
8 the analysis, but I don't expect that there's much
9 benzene exposure there.

10 Q. All right. You talked about his exposures at
11 the Texas US Chemical Company, which is a rubber plant
12 down at -- in Port Neches Texas, and B.F. Goodrich.

13 Did you see his testimony where he said he
14 could not recall having any exposures to benzene at the
15 B.F. Goodrich facility?

16 A. I do remember that.

17 Q. All right.

18 A. Whereas he said he did when he was at Texas US
19 Chemical, which I understand to be a combination Texaco
20 and US Rubber Enterprise.

21 Q. All right. Have -- we went over the work
22 history that you felt was pertinent to your assessment
23 of his benzene exposure history?

24 A. Yes.

25 Q. All right. Have you ever visited the Texas

1 US Chemical rubber plant in Port Neches?

2 A. I don't believe I have.

3 Q. Have you ever visited the DuPont Sabine River
4 Works in Orange, Texas?

5 A. I don't believe I have.

6 Q. Have you seen any actual benzene monitoring
7 data specific for John Thompson?

8 A. No, sir.

9 Q. Have you seen any actual benzene monitoring
10 data specific for B&B Insulator workers?

11 A. No, sir.

12 Q. How about Sabine Insulation workers?

13 A. Not industrial hygiene data, no.

14 Q. All right. Have you seen any actual industry
15 hygiene monitoring data for anybody who worked at the
16 DuPont Sabine River Works which you feel is appropriate
17 or representative to discussing this case?

18 A. Not yet.

19 Q. Do you expect that you will?

20 A. It's possible.

21 Q. Do you have -- have you been advised that
22 those -- that data exists and could be forthcoming?

23 A. I haven't been told they exist, but I can't
24 rule out that they won't be forthcoming.

25 Q. All right. You have not seen any to date

1 DuPont Sabine River Works benzene monitoring data which
2 you have used in the formulations of your opinions in
3 this case, correct?

4 A. Not yet.

5 Q. All right. And you have not seen any benzene
6 monitoring data for the Texas US Chemical Company that
7 you have used in the formulation of your opinions in
8 this case; is that correct?

9 A. True. Wait.

10 THE WITNESS: Can I hear that back again?

11 Q. I'll just reask it.

12 A. Okay.

13 Q. Have you seen any actual benzene monitoring
14 data for any workers or air monitoring for benzene at
15 the Texas US Chemical Company?

16 A. No.

17 Q. Have you seen any actual air monitoring data
18 for benzene -- of workers who were using benzene as a
19 cleaning solvent to clean their hands, tools, and
20 equipment?

21 MR. SCOTT: Object to the form.

22 A. Ever in my life?

23 Q. That you feel is important to your opinions in
24 this case.

25 A. I -- I have -- I've seen such data over time.

1 I haven't looked at it because -- lately, since I
2 haven't been asked to do an exposure calculation
3 specific to this person.

4 Q. All right. In all these documents that you
5 have here, you don't have any actual benzene monitoring
6 data where workers had their breathing zones monitored
7 while they were cleaning their hands and tools with
8 benzene; is that correct?

9 MR. SCOTT: Object to form.

10 A. I didn't bring such information.

11 Q. All right.

12 A. That doesn't mean I don't have it; it just
13 means I haven't thought about it in this case. That's
14 why I didn't bring it.

15 Q. All right. You don't have it here today with
16 all your documents, and you haven't utilized it in your
17 opinions, correct?

18 A. True.

19 Q. Is it your testimony that there have been
20 instances where industrial hygienists have monitored
21 breathing zones of workers -- I'm sorry.

22 Is it your testimony, sir, that there have
23 been industrial hygienists who have actually done
24 industrial hygiene monitoring of the breathing zones of
25 workers while they were using benzene as a cleaning

1 solvent to clean their hands and tools?

2 A. Counsel said yesterday that he believed that
3 it's reported in Patty's 1963. I haven't checked to
4 see if he's right, but I do recall it having been
5 studied in one or two reports over the years. I don't
6 remember if they were published or unpublished, but
7 it's been looked at.

8 Q. Now, would cleaning your hands and tools with
9 benzene --

10 A. No, they -- be careful. It's -- they weren't
11 cleaning their hands. The tools don't have to involve
12 the hands. That's a misperception in this case.

13 You can imagine quite easily how you put a
14 pipe wrench in mineral spirits and use a brush on it
15 and never get your hands in it. It's not necessary.
16 It's one of the flaws, I think, in the testimony.

17 MR. BROWN: Well, let me object to
18 responsiveness.

19 Q. Using benzene as a cleaning solvent during the
20 1950s, 1960s, or 1970s would have been an unsafe
21 practice; do you agree with that, sir?

22 MR. SCOTT: Object to the form.

23 A. Well, as I said yesterday, it's too -- it's
24 too global a statement to say it's an unsafe practice.
25 If it's done outside and it's done in a -- in a proper

1 manner and you know that the risks -- or the airborne
2 concentrations are not going to be significant, it's --
3 it's okay. It's not advised; it's not a good idea.
4 It's a practice that's been discouraged for 50 or more
5 years.

6 Q. You would discourage it, wouldn't you, sir?

7 A. Sure.

8 Q. It's a potentially unsafe practice, isn't it?

9 A. You bet.

10 Q. And because of the gravity of the harm that
11 could result from it, it should never be done, correct?

12 A. Certainly not in the modern era.

13 Q. It shouldn't have been done back in the 1950s
14 when Exxon was saying never use benzene as a cleaning
15 solvent, correct?

16 MR. SCOTT: Object to the form.

17 A. Well, I -- I can't say never have been done or
18 shouldn't have been done because it was done, but it
19 brings with it some hazards if you -- if you don't do
20 it pretty well.

21 Q. It shouldn't have been --

22 A. It -- as I said, it -- shouldn't is a bit
23 strong, but it -- it certainly was ill-advised.

24 MR. SCOTT: Object to the form of the last
25 question.

1 Q. All right. There were always substitutes
2 available for -- used as a cleaning solvent besides
3 benzene that were safer, correct?

4 MR. SCOTT: Object to the form.

5 A. Always is too strong, but certainly there --
6 for almost everything that I can imagine being on a
7 tool, there should have been an alternative that would
8 have been equally -- nearly equally as good and safer.

9 Q. And more -- and just as readily available, if
10 not more?

11 A. I would expect.

12 Q. Have you seen any actual air monitoring data
13 for benzene at either of the facilities, DuPont Sabine
14 River Works or Texas US Chemical, which you feel is
15 relevant to John Thompson's benzene exposure at those
16 facilities?

17 A. I haven't seen it yet.

18 Q. Do you have any reason to believe that it
19 would be forthcoming?

20 A. I believe that Mr. Scott has -- has made a
21 request to DuPont for additional information, but I
22 don't believe he's received it.

23 Q. So you don't know if there's any or not,
24 correct?

25 A. Right, I don't know.

1 Q. Okay. Have you seen any sworn testimony from
2 any workers at the DuPont Sabine River Works regarding
3 work conditions at that facility, other than the
4 testimony that you've described from your items
5 reviewed list?

6 A. Not yet, no.

7 Q. All right. Do you expect that it -- or do you
8 have any indications that such testimony would be
9 forthcoming?

10 A. I think there's an affidavit I haven't seen.

11 Q. What's --

12 A. I think there's an affidavit I haven't seen.

13 Q. All right. Why is it that you think there's
14 an affidavit for DuPont Sabine River Works that you
15 have not --

16 A. I'm not sure it's Sabine River, but there's an
17 affidavit I haven't seen. Mr. Scott would have to fill
18 in the details on that.

19 MR. SCOTT: It's the Gene Thompson
20 affidavit. I realized this morning apparently we
21 hadn't sent him that. So it's -- that's just whatever
22 it is.

23 MR. BROWN: Yeah. It's --

24 MR. SCOTT: I mean, I think you may now
25 have a copy, but --

1 THE WITNESS: You --

2 MR. BROWN: All right.

3 THE WITNESS: I'd have to -- I'd have to
4 read it.

5 MR. SCOTT: But that's what he's talking
6 about.

7 THE WITNESS: That's what I'm talking
8 about.

9 Q. All right.

10 A. Do you -- should we just pass on that?

11 Q. Well, I mean, I'll represent to you Gene
12 Thompson worked with his brother John Thompson at the
13 DuPont Sabine River Works and what you're telling me
14 is, you don't recall having seen that before today?

15 A. Yes.

16 Q. Fair enough. I appreciate it, and --

17 A. All right. Okay.

18 Q. You've seen Mr. Jimerson's testimony who
19 testified that Van Waters & Rogers supplied 55-gallon
20 drums to that DuPont Sabine River Works facility during
21 the time period that Mr. Thompson was working out
22 there, correct?

23 MR. SCOTT: Object to the form.

24 A. I don't know if it was drums or drum. I'd
25 have to go look at -- to be sure.

1 Q. Have you -- have you read Mr. Jimerson's
2 testimony?

3 A. Yes, sir.

4 Q. It is what it is, correct?

5 A. Yes, sir.

6 Q. Okay. Have you seen --

7 A. That's well said, by the way.

8 Q. Have you seen Lee Fry's affidavit for Texas US
9 Chemical? He was a lab chemist who said that both
10 McKesson and Van Waters & Rogers supplied 55-gallon
11 drums of benzene to that facility.

12 MR. SCOTT: Object to the form.

13 A. I don't think I've seen that.

14 Q. Have you seen the Paul Strother affidavit
15 where -- who identified McKesson and Van Waters &
16 Rogers as suppliers of benzene to that facility?

17 MR. SCOTT: Object to the form.

18 A. I don't believe I have.

19 Q. Have you seen any documentation that shows the
20 locations in the DuPont Sabine River Works facility
21 where benzene would have been present either in process
22 streams or wastewater, process flow diagrams, anything
23 like that?

24 A. I haven't attempted to look at that.

25 Q. All right. The same thing for Texas

1 US Chemical?

2 A. True.

3 Q. Other than Mr. Thompson's exposures as he
4 described in his deposition to benzene and -- at DuPont
5 Sabine River Works and as described by his brother Gene
6 in his affidavit, which you have not seen, do you know
7 of any other benzene exposures that Mr. Thompson would
8 have had at DuPont Sabine River Works which you feel
9 contributed to his -- or would have been significant in
10 his exposure assessment?

11 MR. SCOTT: Object to the form.

12 A. No. I only have the information that's
13 provided.

14 Q. All right. Same thing for Texas US Chemical:
15 Other than what Mr. Thompson describes as his benzene
16 exposure at that facility, do you have any other
17 evidence that he had benzene exposures at the Texas
18 US Chemical facility that are significant in your
19 opinions on his benzene assessment or benzene dose?

20 MR. SCOTT: Object to the form.

21 A. No.

22 Q. Have you spoken to Dr. Irons about this case?

23 A. No. I've just read his testimony.

24 Q. All right. How about Dr. Natelson or
25 Dr. Weed?

1 A. No.

2 Q. You understand that Mr. Thompson was diagnosed
3 with AML, correct?

4 A. Yes, sir.

5 Q. You understand that his death certificate says
6 that he died as a result of complications from AML,
7 correct?

8 MR. SCOTT: Object to form.

9 A. I don't recall, but I assume that that's -- I
10 think that's true.

11 Q. All right. Do you have any basis to dispute
12 Mr. Thompson's diagnosis of AML?

13 MR. SCOTT: Object to the form.

14 A. The only thing that's in dispute is the
15 relationship with benzene as expressed in Dr. Irons'
16 deposition. And given that I -- I think Dr. Irons is
17 probably as authoritative as anybody in the world on
18 that issue, then I would defer to him with respect to
19 his beliefs that his -- that Mr. Thompson's disease was
20 related to benzene.

21 Q. Okay.

22 MR. BROWN: And just -- I need to object
23 to responsiveness.

24 Q. What I was asking -- maybe I wasn't clear --
25 do you have any basis to dispute that Mr. Thompson did

1 not have AML -- or strike that.

2 Do you have any basis to dispute that
3 Mr. Thompson had AML?

4 MR. SCOTT: Object to the form.

5 A. No.

6 Q. All right. You would agree that benzene is a
7 carcinogen, correct?

8 A. At sufficient dose and duration --

9 Q. All right.

10 A. -- it has the potential to be.

11 Q. OSHA, NIOSH, IARC, National Toxicology
12 Program, EPA, you're familiar with all those
13 organizations?

14 A. Yes, sir.

15 Q. They have all found that benzene is capable of
16 causing cancer in humans, correct?

17 A. Right. And I don't deny that that's true.

18 Q. And the specific type of cancer that each of
19 these organizations have found that is caused by
20 benzene is what?

21 A. AML, a form of AML.

22 MR. SCOTT: Objection, form.

23 Q. All right. Well, they -- they've just found
24 AML; they haven't deciphered or distinguished between
25 any subtypes, correct?

1 MR. SCOTT: Object to the form.

2 A. I don't think they've taken it under that
3 close of an examination, any of them.

4 Q. And that's the disease Mr. Thompson has, AML,
5 correct?

6 MR. SCOTT: Object to the form.

7 A. Well, again, I'd defer to Natelson and Irons
8 as -- as to precisely what form of AML he had and why.

9 Q. All right.

10 MR. BROWN: Let me object to
11 responsiveness.

12 Q. You agree yourself that benzene can cause AML,
13 correct?

14 A. Yes, sir.

15 Q. You have published that benzene can cause AML
16 on numerous occasions, correct?

17 A. I have.

18 Q. The epidemiological evidence strongly supports
19 the association between benzene and AML, correct?

20 MR. SCOTT: Object to the form.

21 A. With the provisos I've given, yes, sir.

22 Q. All right. There have been numerous
23 epidemiological studies that have reproduced and
24 replicated the results of the original studies by
25 Infante and Rinsky confirming that benzene can cause

1 AML, correct?

2 MR. SCOTT: Object to the form.

3 A. Yes, sir.

4 Q. There is a dose-response relationship between
5 benzene and exposure and the development of AML,
6 correct?

7 A. I believe there is.

8 Q. The position that benzene can cause fatal
9 blood disease, including AML, has been reported over
10 the last century in the historical scientific and
11 medical literature, correct?

12 MR. SCOTT: Object to the form.

13 A. Following certain appropriate doses and
14 duration, yes, sir.

15 Q. All right. But -- but I guess the point of
16 the question is, that's been long known for -- for
17 almost a century, correct?

18 MR. SCOTT: Object to the form.

19 A. Almost a century.

20 MR. SCOTT: Would you repeat that question
21 back.

22 (Record read.)

23 A. I misanswered. I didn't hear the including
24 AML part. Blood dyscrasias but not AML.

25 Q. Well --

1 A. Not for a century.

2 Q. -- you've testified that since 1928, there
3 have been reports that benzene causes leukemia,
4 correct?

5 MR. SCOTT: Object to the form.

6 A. No -- or reports that it might be causing
7 leukemia, not --

8 Q. All right.

9 A. It wasn't definitive.

10 Q. All right. And you have read the API
11 toxicological review for benzene of 1948. We've gone
12 over that several times, you and I?

13 A. You and I have, yeah, sure.

14 Q. And probably with others, too, correct?

15 A. Yep. And let's both agree the document speaks
16 for itself.

17 Q. Well, just one thing I wanted to mention out
18 of it. That document written by Professor Drinker, a
19 renowned professor of --

20 A. Hygiene.

21 Q. -- hygiene -- did you know Dr. Drinker?

22 A. No. He passed away. I think he was
23 Dr. Korn's -- Dr. Korn may have known him.

24 Q. Did you know his reputation?

25 A. Oh, sure.

1 Q. He -- he was well thought of, correct?

2 A. Oh, for sure.

3 Q. He reported in the 1948 API document that in
4 1948, there were reasonably well-documented instances
5 of the development of leukemia among workers
6 chronically exposed to benzene. Do you recall that?

7 MR. SCOTT: Object to the form.

8 A. We ought to try to look at the document to be
9 sure what it says.

10 Again, specifically, it says, abnormal
11 forms or young cells may abound and reasonably
12 well-documented instances of the development of
13 leukemia as a result of chronic benzene exposure have
14 been cited.

15 Q. Now, if Dr. --

16 A. And then the next sentence says, it is
17 generally believed that various individuals differ in
18 their bone marrow response to benzene, some showing no
19 essential change, some showing decreased cellularity
20 and others increased cellularity, and even incidences
21 of malignant changes.

22 Q. Since we're reading out of it, may I mark that
23 as Exhibit 7?

24 A. Sure.

25 (Exhibit Number 7 marked.)

1 Q. And just so that we're clear, what I'll do
2 is --

3 A. If you want, we'll use a pen so it gets
4 copied.

5 Q. I've highlighted it, and hopefully -- now what
6 we can do is maybe mark along the side of it, like you
7 said, so if it copies and it's not in color, you'll see
8 it bracketed.

9 Dr. Drinker reported in 1948 there were
10 reasonably well-documented instances of the development
11 of leukemia as a result of chronic benzene exposure
12 that had been cited, correct?

13 A. Right.

14 Q. If somebody of his stature is saying
15 reasonably well-documented, that's not something that
16 you would consider to be isolated case reports,
17 correct?

18 MR. SCOTT: Object to the form.

19 A. Well, it's -- I think it's based on isolated
20 case reports, but if you get enough of them, you get
21 very suspicious.

22 Q. Yeah. And in 1948, they're saying it's
23 reasonably well documented?

24 MR. SCOTT: Object to form.

25 Q. What do -- what do you consider reasonably

1 well-documented to mean, sir?

2 A. A number of case reports that seem to point
3 toward a particular cause.

4 Q. Okay. All right. Do we --

5 MR. BROWN: Here's Exhibit 6, too. I
6 didn't know if you're keeping those in your stack down
7 there, but for the court reporter -- there you go.

8 Q. You would agree that the fact that benzene
9 could cause acute myelogenous leukemia is something
10 that is not seriously challenged by health
11 professionals today, correct?

12 A. True.

13 Q. And it is generally accepted among the
14 relevant medical/industrial hygiene/toxicology/health
15 professionals that benzene can cause AML, correct?

16 A. Is that different than the prior question?

17 Q. It's just a word -- it's -- let me reask it
18 and see if you can answer it.

19 A. I'm trying to see if -- what the difference
20 is.

21 Q. In the law --

22 A. In the law?

23 Q. -- general acceptance has a -- a particular
24 meaning.

25 A. Okay.

1 Q. Let me ask you this.

2 A. Sure.

3 Q. Is it generally accepted among toxicologists,
4 medical doctors, industrial hygienists, and
5 epidemiologists that benzene is associated with causing
6 AML?

7 MR. SCOTT: Object to the form.

8 A. Following sufficient dose and duration,
9 there's an increased risk of AML.

10 Q. All right. Do you have any reason to believe
11 that benzene is not capable of causing the type of AML
12 that Mr. Thompson had?

13 A. Yes. As I said before, Dr. Irons -- I don't
14 know what Natelson believes, but Dr. Irons believes
15 there's a good reason to question it.

16 Q. All right. You're not the expert on that
17 area, as you told us, correct?

18 A. True.

19 Q. And you would defer to Dr. Irons, correct?

20 A. True.

21 Q. And you would defer to Dr. Irons' opinions as
22 to whether benzene could cause AML with an M4 variant,
23 correct?

24 A. Yes, sir.

25 Q. And that's the type of -- subtype of AML that

1 John Thompson had, as you understand it, correct?

2 MR. SCOTT: Object to the form.

3 A. I'd have to go back and check, but that's my
4 recollection.

5 Q. All right. And if -- if Dr. Irons had
6 published in the past that benzene is capable and known
7 to cause AML of the M4 variant, you wouldn't have any
8 reason to dispute or differ with that, correct?

9 MR. SCOTT: Object to the form.

10 A. Well, it would -- it would depend when the
11 timing of that paper was because he just completed
12 several years of research, and I believe he's more
13 firmly crystallized his views over the last year or
14 two. So whatever his current views are based on that
15 body -- complete body of research, then I would defer
16 to whatever his current views are.

17 Q. You read his deposition where he said that the
18 reason why his opinions have changed with regard to
19 some of the diseases that can be caused by benzene is
20 based on the Shanghai study, correct?

21 MR. SCOTT: Object to form.

22 A. Yes, sir, that's -- that's my understanding.

23 Q. And you -- and you saw where he said that was
24 the only thing that changed his mind. Did you see that
25 in his deposition?

1 MR. SCOTT: Object to the form.

2 A. I don't know if he said only.

3 Q. Okay.

4 A. Do you want me to look?

5 Q. Well, his deposition will speak for itself.

6 So I'm just asking you if you recall that in his
7 deposition.

8 And you were aware that the Shanghai study
9 was funded by the petroleum industry to the tune of \$35
10 million, correct?

11 A. I'm not aware of the figure, and I don't know
12 if they are the only funder of that study.

13 Q. Well, did you see what Dr. Irons has said who
14 funded the study and how much they received from it?

15 A. I did read that.

16 Q. And it was \$35 million?

17 A. I -- I don't remember it being that large, but
18 if you say it is, that's fine.

19 Q. Well, that's what Dr. Irons said.

20 A. If that's what he said, that's fine.

21 Q. And I'm --

22 MR. SCOTT: Object to the form.

23 Q. Let me -- let me be fair with you. Do you
24 recall Dr. Irons testifying to that?

25 A. Let's take a look. He -- he did select a

1 number, for sure. I don't remember if it was 35 or 30.
2 But if I can take a look, then we'll be -- we'll make
3 the record clear.

4 Q. If you don't remember, as you sit here, that's
5 fine. I'm not going to -- I'll represent to you that's
6 what it was, and --

7 A. If you represent that, then I don't have any
8 reason to question you.

9 Q. Okay. You also know that benzene can cause
10 myelodysplastic syndrome, correct?

11 MR. SCOTT: Object to form.

12 A. Some forms.

13 Q. Well, you've testified in the past that
14 benzene is known to cause myelodysplastic syndrome; do
15 you recall your testimony?

16 MR. SCOTT: Object to form.

17 A. As a nonspecialist in that area, like most
18 hygienists and toxicologists that don't specialize in
19 this, we -- we use that term.

20 For a specialist, they -- they look more
21 carefully, just like there's hundreds of forms of
22 cancer, and we generally just say it's known to cause
23 an increased risk of cancer. As times pass, as we get
24 to know cancers more -- more thoroughly, then
25 epidemiologically and medically we refine our

1 statements.

2 Q. Okay.

3 MR. BROWN: Let me object to the
4 nonresponsive portions.

5 Q. You recognize that Dr. Irons, who you consider
6 to be an authority on benzene disease causation, has
7 also testified in the past and testified in his last
8 deposition, benzene can cause myelodysplastic syndrome,
9 correct?

10 MR. SCOTT: Object to the form.

11 A. I think he would say some forms, with his
12 refined understanding.

13 Q. Do you recall in the McCarty case, we talked
14 about the letter that Shell's epidemiologist and
15 medical doctor, Sally Cowles, had written to the API
16 concerning a draft of a document called Benzene-Induced
17 Leukemia: An Examination of Disease Endpoints? Do you
18 recall that from our last deposition?

19 A. I believe so.

20 Q. Have you reviewed that in preparation for your
21 deposition here today?

22 A. No.

23 Q. ChemRisk, apparently, had made a draft of a
24 document called Benzene-Induced Leukemia: An
25 Examination of Disease Endpoints. Do you recall that?

1 A. Not right now.

2 Q. I looked on --

3 A. I mean, if you show it to me, I'll take a
4 look.

5 Q. Well, I -- I do not have a copy of it.

6 A. Okay.

7 Q. I looked on your publications list,
8 published/nonpublished articles, and I didn't see a
9 copy of Benzene-Induced Leukemia: An Examination of
10 Disease Endpoints.

11 Do you -- is there --

12 A. I don't think I -- unless Dr. Pyatt wrote it.
13 Wait, wait. Can you read back the title, please.

14 Q. Yes, sir. It's Benzene-Induced Leukemia: An
15 Examination of Disease Endpoints.

16 A. Oh, yeah. I think that's Dr. -- oh, that's
17 1990.

18 Q. Yes, sir.

19 A. Wow..

20 Q. Reviewed by ChemRisk. Do you see that?

21 A. Yeah. This is 20 years ago.

22 Q. Well, I understand it's a long time. But
23 that's your company, ChemRisk, correct?

24 A. Yes.

25 Q. That's 1990. And your company was doing --

1 for the API work and titled the work as -- I guess you
2 titled it was Benzene-Induced Leukemia -- and what was
3 the rest?

4 A. Benzene-Induced Leukemia: An Examination of
5 Disease Endpoints.

6 Q. Who -- excuse me. Go ahead.

7 A. I'm not surprised that we would have offered
8 to do such -- write such a paper, but I had
9 forgotten -- if -- if we did, I'd forgotten about it.

10 Q. Who did you work on that with?

11 A. Well, this would have been through the API
12 benzene committee, which has been around for a long
13 time, and it's -- Ralph Gingell was either the chairman
14 at that time or on the committee.

15 Q. And occasionally they would ask consultants --
16 and I guess ChemRisk would be one of them -- to do work
17 for them, correct?

18 A. True.

19 Q. When you would do work for API, whether
20 ChemRisk or yourself, how were you paid?

21 A. I'm -- let's just make sure the record's
22 clear. I don't think we ever did this work. I think
23 it was a proposal.

24 Q. All right.

25 A. Now, to answer your question, how were we

1 paid?

2 Q. Yes, sir.

3 A. I think we got a check from API.

4 Q. Did you get it from the API or from the API's
5 attorneys?

6 A. I don't know.

7 Q. You don't remember?

8 A. No.

9 Q. Okay.

10 A. I wouldn't have even touched the check anyway.
11 It's something I wouldn't even have seen.

12 Q. In any event, with regard -- she's -- this
13 letter -- and we've discussed it before -- Sally Cowles
14 is commenting at the API's request on ChemRisk's
15 article, correct?

16 A. Okay.

17 Q. All right. Let me mark -- mark the Sally
18 Cowles letter as Exhibit Number 8 to this deposition.

19 (Exhibit Number 8 marked.)

20 A. Most -- most of that paragraph looks to be
21 Dr. Cowles' views, not -- not our views.

22 Q. I -- I agree with you. And I'll represent --
23 this is my understanding, and you tell me if you
24 understand any different.

25 You wrote a paper for ChemRisk, you

1 provided that to API, and API sent it around to various
2 people to comment on it, and Sally Cowles was one of
3 the ones they sent it to. Is that accurate?

4 A. That's not my recollection, because I don't --
5 I think I would have seen or read this work product.
6 It's either -- it's -- my expectation is it was a
7 proposal to write such a paper, and we would have -- in
8 such a proposal would have given some bullet points as
9 to what we thought the paper would address and likely
10 conclude. And she's now giving her views about whether
11 or not such a paper is warranted.

12 Q. All right. Do you still have a copy of the
13 proposal bullet point document that you would have
14 provided to the API?

15 A. I think it's highly unlikely.

16 Q. Okay. Let's look at what she said. She says,
17 it is not clear to me just what is hoped to be gained
18 from emphasizing the potential role of myelodysplastic
19 syndrome as a leukemia precursor in a
20 chemically-induced condition.

21 Did I read that correctly?

22 A. I believe so.

23 Q. Then she says, I don't have any great
24 disagreement with the discussion ...

25 So it sounds like it's more than just a

1 bullet point. It sounds like there's a discussion that
2 she's referring to. Do you agree with that?

3 A. Sounds like it.

4 Q. ... but think that the point can be made that
5 there are differences between the different leukemias
6 without admitting that benzene may cause
7 myelodysplastic syndrome as well.

8 Did I read that correctly?

9 MR. SCOTT: Object to form.

10 A. Yes, sir.

11 Q. It sounds like she doesn't want to have a
12 publication out there from the API or an API-funded
13 study that admits benzene can cause MDS, even though
14 she agrees it can; do you agree with that?

15 MR. SCOTT: Object to form.

16 A. I don't know that I'd read it -- read into it
17 that way. I think she's saying that there's -- there's
18 so many different potential causes of MDS that it --
19 one need not focus anymore on benzene as one of the
20 causes. I think that's what she's saying.

21 Q. All right. So you --

22 A. I don't think she's trying to hide anything
23 from anybody. It's -- it's all over the literature at
24 that point that it may cause MDS.

25 Q. All right. But she doesn't want to admit,

1 apparently. That's --

2 MR. SCOTT: Object --

3 Q. Am I reading that wrong?

4 MR. SCOTT: Object to the form of the
5 question.

6 A. I think -- I think she's still alive, and you
7 can just ask her. I don't know what she meant. It
8 would be wrong for either of us to try to guess what
9 she meant. If she's still alive, and I believe she
10 is --

11 MR. SCOTT: She is.

12 A. -- I'd -- I'd just ask her, is what I'd
13 suggest.

14 Q. She says also that since MDS cases often show
15 similar chromosomal changes to AML cases and a certain
16 percentage go on to become AML, that, therefore, MDS is
17 just another entity in the benzene-induced disease
18 continuum. She said there would appear to be other
19 ample arguments to make besides that one, which was
20 apparently stated in your proposal she's commenting on,
21 correct?

22 MR. SCOTT: Object to the form.

23 A. I think she's saying -- we should ask her, but
24 I think she's saying a document like this would have to
25 be more complicated than what we propose because of the

1 complexities of the disease evolution.

2 Q. Apparently your document said benzene could
3 cause MDS and your document said that there were
4 chromosomal changes that were similar between
5 myelodysplastic syndrome and acute myelogenous
6 leukemia, correct?

7 MR. SCOTT: Object to form.

8 A. It may have said that.

9 Q. All right. And your suggestion that I ask
10 Sally Cowles about what she says and what she meant, I
11 can tell you, I took her deposition on July 9th, 2002,
12 and -- and I did ask her some of those things.

13 MR. BROWN: I'll mark this deposition
14 excerpt --

15 THE WITNESS: So do you want to make this
16 8 (sic)?

17 MR. BROWN: Yes, sir.

18 (Exhibit Number 9 marked.)

19 Q. In particular, I asked her about did she think
20 that myelodysplastic syndrome could be caused by
21 benzene, and she told me that she thought it could.
22 But what I wanted to emphasize here is I also asked her
23 about her comments about your paper where it talked
24 about the chromosomal changes.

25 And this is what I asked her, where you

1 talk about chromosomal changes, AML; do you see that?

2 She says, uh-huh.

3 And this is referring to the same letter.

4 What are you referring to there?

5 Her answer was, presumably some of the
6 chromosomal breaks that have been seen in the
7 chromosomal changes that are seen in some cases of AML
8 and myelodysplastic syndrome.

9 And I asked her, what are the chromosomal
10 changes you're familiar with?

11 And what does she say is the first one
12 right there?

13 MR. SCOTT: Can you give us page and line
14 on this?

15 THE WITNESS: This is page 128, line 9.

16 A. Her answer was, 5q minus, 5-7 deletion.

17 Q. That's the same disease Mr. Nolen has,
18 correct?

19 MR. SCOTT: Object to the form.

20 A. That's my understanding.

21 Q. That's the same disease Mr. McCarty had,
22 correct?

23 MR. SCOTT: Object to the form.

24 A. I -- I don't recall.

25 Q. All right.

1 MR. BROWN: Do you have a stapler or paper
2 clip? Here's one right here. It's broken.

3 THE WITNESS: Do you want to grab one from
4 the front desk, if there isn't one here.

5 Q. Could I ask you to see if you do have a copy
6 of your draft.

7 A. Sure.

8 Q. I mean, you could look and see, and if we can,
9 you can supplement that to us. Would that be any
10 problem?

11 A. It would be a significant undertaking. I
12 would have to talk to counsel about it.

13 Q. What counsel?

14 A. Mr. Scott.

15 Q. Oh, okay. All right. Well, I would
16 appreciate it, if it -- if it's possible.

17 MR. SCOTT: Do you know what case the
18 Sally Cowles deposition was taken in?

19 MR. BROWN: That was in the Page case.

20 MR. SCOTT: Page.

21 THE WITNESS: Do you want a copy of this
22 made today? Okay. Thanks.

23 A. This is the deposition of July 9th, 2002.

24 MR. SCOTT: May I see that real quick?

25 Q. Sir, would it surprise you that when I took

1 Dr. Irons' deposition in the McCarty case and we talked
2 about Mr. McCarty's 5q minus syndrome that back in 2006
3 it was Dr. Irons' opinion that that was strong evidence
4 that benzene could be associated with causing that
5 illness?

6 MR. SCOTT: Object to form.

7 A. I would just say what -- whatever he believed
8 and he said at the time must have been representative
9 of his views at the time.

10 Q. Okay.

11 A. From what -- from reading his current
12 deposition, it looked like his thoughts have evolved
13 based on his recent research.

14 Q. I will agree, he -- he did say his opinions
15 changed, and the basis of that change was his Shanghai
16 study, which he had been working on and had been funded
17 by the --

18 A. I sure wouldn't infer his views are affected
19 by the funding agency.

20 Q. Well, you could see how somebody sitting on a
21 jury would want to know the source of his funding,
22 correct?

23 MR. SCOTT: Object to the form of the
24 question.

25 A. Sure.

1 Q. All right. You would agree, sir, that
2 Mr. Thompson had exposures to benzene during his work
3 at the DuPont Sabine River Works facility and at the
4 Texas US Chemical rubber plant in Port Neches?

5 MR. SCOTT: Object to the form.

6 A. Based on his testimony.

7 Q. All right. Do you have any reason to dispute
8 that Mr. Thompson would have had benzene exposure while
9 he worked at those facilities?

10 MR. SCOTT: Object to the form.

11 A. I -- I have reason to dispute the description
12 of how he claims to have interacted with the benzene,
13 but I wouldn't be surprised if he didn't have some
14 level of exposure to benzene.

15 Q. All right. Do you have any testimony from any
16 person that says Mr. Thompson was not exposed to
17 benzene as he described while he worked out at the
18 DuPont Sabine River Works facility or at the Texas
19 US Chemical facility?

20 A. I don't think I have any testimony that puts
21 that into question.

22 Q. You saw his testimony where he said --

23 A. Well, I mean, there's -- there's some
24 testimony about whether or not Univar supplied benzene,
25 but there's nothing to that facility and -- and for

1 very long. But I -- I can't dispute that the benzene
2 wasn't provided by somebody in -- present there.

3 Q. All right.

4 MR. BROWN: Let me object to the
5 nonresponsive portions.

6 Q. You don't have any testimony from any person
7 who you've read or seen that says, I know Mr. Thompson
8 worked out here, and he says he was exposed to benzene,
9 but he was not exposed to benzene like he said he was,
10 correct?

11 MR. SCOTT: Object to form.

12 A. I haven't seen that kind of testimony.

13 Q. You did see Mr. Thompson's testimony where he
14 said he used benzene twice a day to wash and clean
15 tools and equipment and even clothes, correct?

16 A. Yes, sir.

17 Q. Do you have any testimony that you've looked
18 at that disputes that?

19 A. I haven't seen such.

20 Q. I know you haven't seen Gene Thompson's
21 testimony from the time period that he worked with his
22 brother out at the DuPont Sabine River Works
23 facility --

24 MR. SCOTT: Object to form.

25 Q. -- but I'll represent to you that testimony

1 confirms his exposures to benzene as described by
2 Mr. Thompson.

3 Do you have any reason to dispute that?

4 MR. SCOTT: Object to the form. I mean,
5 disputes your representation about what somebody's
6 affidavit, not testimony says, without reading either?

7 Q. I'm just saying, do you have any -- if Gene
8 Thompson testified that his brother -- he and his
9 brother used benzene as described by John, and their
10 testimony says we used benzene as a cleaner at the
11 DuPont Sabine River Works facility out of 55-gallon
12 drums, do you have any reason to dispute his
13 testimony --

14 MR. SCOTT: Object to the form.

15 Q. -- based on something that you've read from
16 another person, either sworn or unsworn statement?

17 MR. SCOTT: Object to the form.

18 A. If he says what you said or whatever else he
19 said, I don't have any reason to dispute it, but --
20 other than my professional experiences. I don't have
21 any other sworn testimony that -- that refutes it.

22 Q. You saw Mr. Thompson's testimony where he
23 would get benzene out of a 55-gallon drum and put it
24 into five-gallon buckets and use it as a cleaner while
25 on the site of their contractors' shack, and some days

1 when it was good weather, he would use it to clean up
2 outside, and some days when it was bad weather, he
3 would actually use it on the inside. Do you recall
4 that testimony?

5 MR. SCOTT: Object to the form.

6 A. I think it's a -- it's a fairly close
7 representation of what he said.

8 Q. All right. Any testimony from anybody that
9 you've seen that would say, no, that's not true?

10 MR. SCOTT: Object to the form.

11 A. I haven't seen testimony that clearly disputes
12 what he said.

13 Q. You saw where he testified that when he would
14 use benzene as a cleaner like this, it would turn his
15 hands white and dry?

16 A. I remember that testimony.

17 Q. That's consistent with benzene exposure on the
18 skin, correct?

19 MR. SCOTT: Object to form.

20 A. It's consistent with exposure to virtually all
21 of the solvents.

22 Q. But if somebody was using benzene as a solvent
23 and using it on a regular basis, you know that it's
24 consistent with the literature that it can turn the
25 hands white or chalky, dry, and make them crack,

1 correct?

2 MR. SCOTT: Object to form.

3 A. If they used it on a regular basis, it would
4 be beyond that probably with respect to the skin
5 response.

6 MR. BROWN: Well, let me object to
7 responsiveness.

8 Q. Have you seen literature that has described
9 people's use of benzene, getting it on their hands,
10 where it would turn their skin white and chalky?

11 MR. SCOTT: Object to form.

12 A. Sure.

13 Q. What is the process involved with that?

14 A. It's called defatting of the skin.

15 And the -- and the difference in the
16 questions was the routinely repeated.

17 MR. BROWN: Again, let me object to the
18 nonresponsive portions.

19 Q. You saw where he testified that on occasions
20 he would get lightheaded or dizzy because of the
21 benzene vapors that he would come into contact while
22 doing this activity, correct?

23 MR. SCOTT: Object to form.

24 A. I don't think that was his testimony. I think
25 his testimony was nonspecific with respect to what

1 caused that lightheadedness.

2 Q. Do -- do you recall his testimony that while
3 he was using benzene as a cleaner, he would get
4 lightheaded?

5 MR. SCOTT: Object to form.

6 A. That's a different question.

7 At times he says he doesn't know what --
8 what the solvent was that caused the lightheadedness,
9 and at other times, he infers that it was the benzene.
10 That's my recollection.

11 Q. Let me ask you this: If somebody was using
12 benzene to clean hands and tools for 15 minutes at a
13 time, would the vapors be such that somebody could
14 experience lightheaded or dizziness from that type of
15 activity?

16 MR. SCOTT: Object to the form.

17 A. So your question is, is it possible that
18 someone did what he says he did? On a hot day in
19 Texas, could that cause a sufficient vapor
20 concentration to produce dizziness if he did it for 15
21 to 30 minutes?

22 Q. I said 15 minutes.

23 A. It's possible.

24 Q. All right. And you've told me before that,
25 from your experience, lightheadedness and dizziness can

1 be -- can result from 500 parts per million of exposure
2 to benzene, correct?

3 MR. SCOTT: Object to the form.

4 A. That's not the minimum dose, but, yes,
5 certainly it's possible --

6 Q. All right. Well --

7 A. -- at 500.

8 Q. What is the minimum dose that you would tell
9 me today?

10 A. Well, it varies from person to person. I
11 think it's -- 500's not a bad number. I -- I could
12 look. I think it may even be as low as 200 to 250. It
13 depends on the time of exposure. But for 15 minutes,
14 that's not a bad figure.

15 Q. Do you agree that if a worker is feeling
16 lightheaded or dizzy from exposure to benzene, that
17 he's being overexposed to benzene?

18 MR. SCOTT: Object to the form.

19 A. If he knows it's exposure to benzene and
20 during his time with it he's getting dizzy or
21 lightheaded, he's overexposed.

22 Q. All right. Do you agree that if a worker is
23 smelling benzene, that he is overexposed to it?

24 MR. SCOTT: Object to form.

25 A. If he's only working with benzene, it's more

1 likely than not that he's overexposed compared to the
2 current PEL.

3 Q. All right. Do you have any opinion, sir, of
4 what would be a threshold or minimum level of benzene
5 exposure for someone to -- someone like John Thompson
6 to develop AML?

7 MR. SCOTT: Object to the form.

8 A. What lifetime cumulative dose would be
9 required?

10 Q. Or whatever metric you would use.

11 A. Remembering that I -- based on Irons'
12 testimony, he didn't think there was a causal
13 relationship between his disease and benzene,
14 acknowledging that, but it -- for those that are known
15 to be associated with exposure to benzene, it's
16 generally thought that the threshold, which varies
17 based on the person, is somewhere between 450 ppm-years
18 and 250 to 400 ppm-years.

19 MR. SCOTT: Would you repeat the answer
20 back just ...

21 THE WITNESS: I think I may have misspoke.

22 MR. SCOTT: I just want to be sure I have
23 it right.

24 THE WITNESS: Yeah.

25 (Record read.)

1 THE WITNESS: No, no. It's 45 ppm-years,
2 is what I said, to 250 ppm-years, and some up to 400
3 ppm-years.

4 MR. SCOTT: I think you did say that,
5 but -- I think Therese took it down correctly what you
6 said, but I --

7 THE WITNESS: Oh, is that right?

8 MR. SCOTT: -- I just wanted to be sure I
9 heard what you said.

10 THE WITNESS: I'm sorry. It's 45 to 250,
11 and some people would say 45 to 400 ppm-years.

12 MR. BROWN: Let me object to the
13 nonresponsive portions of the answer.

14 Q. When the calculations of ppm-years and
15 threshold levels that you've described have been made,
16 they have been -- that has been done for AML, correct?
17 That hasn't been done for any specific subcategory of
18 AML; I'm correct about that?

19 A. Yes.

20 Q. And that's -- that's because, in general,
21 epidemiological studies do not differentiate between
22 AML subtypes, do they?

23 MR. SCOTT: Object to the form.

24 A. Well, at the time they were done, the
25 information wasn't available.

1 Q. All right. Do you know of any AML subtype
2 that is not associated with benzene exposure?

3 MR. SCOTT: Object to the form.

4 A. Well, I -- I can't give you an expert opinion
5 on that.

6 Q. Okay. All right. So -- so that we're clear,
7 the minimum or threshold limit that you are familiar
8 with in terms of AML causation is a range of 45
9 ppm-years to 250 ppm-years, correct?

10 A. 45 ppm-years to 400.

11 Q. Right.

12 A. Paxton, I think, in one of her analyses
13 arrives at 400, or maybe Schnatter. But if you -- if
14 you look at the body of evidence, it's always between
15 45 and 400.

16 Q. All right. Earlier, you said 45 to 250, and
17 then some believe it's up as high as 400?

18 A. That's right. That's right.

19 Q. What do you believe, sir?

20 A. I believe it's somewhere in that range.

21 Q. All right. It could be 45 ppm-years or it
22 could be 400 ppm-years; you can't be more specific?

23 A. I think it -- what happens is because there's
24 clear, significant differences in individual
25 susceptibility and -- and maybe even how that 45 to 400

1 ppm-year dose was accumulated will influence the
2 probability of the disease.

3 Q. All right. Going back to my question, can you
4 be any more specific in your personal opinion as to
5 what threshold limit there is that's required than
6 saying it's in the range of 45 to 250 or 400?

7 A. Not as we sit here. I haven't seen a more
8 sophisticated analysis. There may be -- there may be
9 one that I'm not aware of, but that's -- that's what
10 I'm aware of and still believe to be true.

11 Q. And this --

12 A. And I think Dr. Irons, interestingly, came up
13 with the same numbers without us talking about it.

14 Q. All right. With regard to this range, this is
15 an average, correct? This is an average range of
16 numerous people put in together to come up with these
17 numbers, correct?

18 MR. SCOTT: Object to form.

19 Q. Whatever the study was.

20 MR. SCOTT: Same objection.

21 A. I'm trying to understand your question on
22 average range. Because it's -- it's a non sequitur.
23 Can you give me another question?

24 Q. Yeah. You said yourself the differences --
25 there are differences in individual susceptibility?

1 A. Right.

2 Q. In other words, not every person in this world
3 who gets a benzene disease is going to fall within this
4 particular range, correct?

5 MR. SCOTT: Object to form.

6 Q. In the case of some individual susceptibility.

7 A. Oh, I would -- I would expect that -- I
8 think -- I would -- I would like to believe, based on
9 what I've read, that you would -- that the vast
10 majority of people would fit in that range. It may
11 require 400 in some people; it may require 45 in
12 others, but it doesn't open the door to doses less than
13 45.

14 Q. Well, you said vast majority, leaving the
15 possibilities that there's some smaller portion that
16 might not fit into this range, correct?

17 MR. SCOTT: Object to form.

18 A. I -- of course. But there would be maybe
19 other predisposing factors.

20 Q. Okay. Have you seen peer-reviewed published
21 studies that find benzene causes AML at levels below
22 the 45 parts per million-years level that you've
23 described?

24 MR. SCOTT: Object to the form.

25 A. I don't think the database is strong enough

1 for -- for one to know that -- the dose. You'd have to
2 have a number of cases with strong documentation that
3 the dose was less than 45 ppm-years. I don't think
4 such a body of evidence is there.

5 I don't know what the Shanghai study
6 database provides, and it probably -- it's probably the
7 most powerful one available today to answer that
8 question.

9 Q. Okay.

10 MR. BROWN: Well, let me object to --

11 A. And I'm not trying to be evasive. I -- if you
12 want to pitch another question, I'll try.

13 Q. Well, it's -- what I'm asking you, in your
14 experience as a toxicologist --

15 A. Right.

16 Q. -- and trying to assess benzene causation on
17 diseases, have you seen peer-reviewed published
18 epidemiological studies that find benzene causes
19 leukemia at levels lower than 45 parts per
20 million-years?

21 MR. SCOTT: Object to the form.

22 A. Well, I wouldn't -- I -- I don't recall, but I
23 wouldn't be surprised if people made that claim. I'm
24 just saying that I believe there's not a sufficiently
25 strong number of cases at the low doses to be able to

1 say with certainty that we believe the threshold's much
2 below 45.

3 Q. You said you don't recall studies. Do you
4 recall the Australian Health Watch study?

5 A. Sure.

6 Q. You know they found benzene-induced --

7 A. Are you talking about Glass?

8 Q. Glass or any of them.

9 A. Well, the last Glass report doesn't see any
10 increased AML.

11 Q. Well, any of the -- any of the variations of
12 the Australian --

13 A. Well, I mean, the -- the current -- her
14 current view is that it -- it doesn't cause -- those
15 doses don't cause AML, so -- and those doses aren't
16 above -- well, less than 45 she doesn't think it's
17 causing the disease.

18 Q. Have you seen any publications under the
19 Australian Health Watch study that shows benzene
20 causing AML at lower than 45 parts per million?

21 MR. SCOTT: Object to the form.

22 A. I believe she had an interim report where she
23 thought that there was an increased risk.

24 Q. All right. Have you seen the National Cancer
25 Institute Chinese studies by Hayes?

1 A. The Hayes study?

2 Q. Yes, sir.

3 THE WITNESS: H-A-Y-E-S, I believe.

4 A. Yes, I've seen it.

5 Q. It -- it finds benzene AMLs at levels below 45
6 parts per million, correct?

7 MR. SCOTT: Object to form.

8 A. The number of shortcomings in that claim are
9 so well known that nobody -- not many people give it
10 much credence.

11 Q. All right. Are you familiar with the Kirkleit
12 study?

13 A. Nancy Kirkleit?

14 Q. Kirkleit.

15 A. How do you spell it?

16 Q. I believe it's K-I-R-K-L-E-I-T. Maybe there's
17 an E in there --

18 A. Is it Nancy Kirkleit, the immunotoxicologist?
19 I don't -- I don't know. I'd have to look at it.

20 Q. It's a study of oil field production workers
21 who had excess AMLs at levels far below 45 parts per
22 million. Are you familiar with that?

23 A. I don't think I am. What year is it?

24 Q. I believe it's within the last two years.

25 A. I haven't -- I haven't looked at it.

1 Q. Do you think it's --

2 A. I mean, I haven't looked at it, you know -- to
3 answer your questions, I may have read it, but I
4 haven't looked at it very carefully.

5 Q. What -- what studies do you rely upon to come
6 up with your threshold requirement of 45 parts per
7 million-years to up to 250 parts per million-years?

8 A. The 45 comes from OSHA's evaluation, when they
9 set the guideline.

10 The 400 -- Schnatter and Paxton looked at
11 my exposure estimate, the old one, and Kenny Crump's
12 exposure estimate, and the one by Rinsky, and I think
13 everyone that's -- including EPA that's looked at the
14 three exposure analyses bracket the -- and the
15 incidence of disease last reported by Rinsky, and they
16 conclude that that's the range where these diseases
17 occur.

18 Even if you look at my updated dose
19 estimates, I believe that the -- the disease was seen
20 in -- in my highest exposed group, even with the
21 revised estimates down, and it's -- and it's well over
22 45 ppm-years.

23 It's -- in fact, I think virtually all
24 those AMLs in the Pliofilm workers were significantly
25 over 250 ppm-years. I haven't looked at it in a while,

1 but that's my recollection.

2 THE WITNESS: And what we mean is ppm,
3 dash, years throughout the text.

4 Q. And just out of fairness for the jury, your
5 studies of the Plio- -- reevaluations, they were funded
6 by the API, correct?

7 A. As I said yesterday, the first one was
8 virtually completely funded by the API. They asked for
9 some financial relief, I think, on the final bill.

10 And then most of the second study, I -- my
11 firm paid for.

12 Q. Did you get reimbursed on the second study?

13 A. I think I said yesterday we may have received
14 50 to 100,000 in support, but the study cost several
15 fold that.

16 MR. BROWN: All right. Let's change our
17 tape.

18 THE VIDEOGRAPHER: The time is 10:15. We
19 are going off the record. This is the end of tape 2.

20 (Recess 10:15-10:26 a.m.)

21 THE VIDEOGRAPHER: The time is 10:26. We
22 are back on the record. This is the beginning of
23 tape 3.

24 Q. Okay. We're back on the record after a pretty
25 decent break.

1 Sir, have you done any exposure -- strike
2 that.

3 Have you done any quantitative exposure
4 estimate of the lifetime benzene exposure for John
5 Thompson?

6 A. I have not done that.

7 Q. You have not put any pencil to paper and tried
8 to calculate what you would estimate his lifetime
9 exposure to benzene was; is that correct?

10 A. Right.

11 Q. Have you done any quantitative exposure
12 assessment for Mr. Thompson's exposure to benzene at
13 any location where he would have worked or at any time
14 in his working career?

15 A. I haven't -- I haven't tried to do a
16 quantitative estimate for any segment of his career.

17 Q. You mentioned earlier that Ms. Sahmel -- am I
18 pronouncing that correctly?

19 A. Yes.

20 Q. -- had prepared an exposure estimate. Am I
21 getting that correct?

22 A. No. I think what I said is, she worked with
23 Ken Unice to look at Dr. Nicas' analysis.

24 Q. All right. Do you have that work --

25 A. And as you know, I referred to that yesterday

1 for my estimate of what I believe the likely exposure
2 was of Mr. Nolen, and I did the same thing for
3 Mr. Thompson.

4 Q. All right. Can you show me the exposure
5 assessment -- the -- the data that you relied upon in
6 coming up with your critique of Dr. Nicas' exposure
7 assessment?

8 A. Sure. You -- if I understand the question,
9 you want to know the -- the numbers supporting our
10 critique?

11 Q. Right.

12 A. Sure.

13 Q. In other words, in Nolen you had a report.

14 A. Right.

15 Q. We didn't have a report in this case and --

16 A. I have a binder of information.

17 Q. Okay.

18 A. It's -- it's right here. It's kind of big.

19 Q. Okay.

20 A. It's got all the supporting references with
21 it. Do you want to look at it?

22 Q. Yes, sir. Would it be okay if we just mark
23 that as an exhibit?

24 A. Wouldn't bother me at al.

25 Q. All right.

1 MR. BROWN: What are we on, 11?

2 THE REPORTER: 10.

3 MR. BROWN: Let's mark the whole notebook
4 as Exhibit 10.

5 Q. Would that be how you would want to talk about
6 it?

7 A. As Exhibit 10?

8 Q. Yeah.

9 A. Sure.

10 Q. All right. Let's do that.

11 (Exhibit Number 10 marked.)

12 THE WITNESS: If this got lost, we can
13 reproduce it, true? Okay. Thank you.

14 Q. All right. What was your critique of
15 Dr. Nicas, his numbers? What numbers did you and your
16 staff come up with as a permissible expos- -- as a
17 cumulative level of benzene exposure that Mr. Thompson
18 would have had while he worked at the DuPont Sabine
19 River Works facility and the Texas US facility?

20 A. As I said, we didn't come up with such a
21 number.

22 Q. All right.

23 A. All we did is critique Nicas.

24 Q. All right. So --

25 A. We didn't -- we weren't asked to do a lifetime

1 cumulative dose for him.

2 Q. You didn't -- you didn't prepare your own
3 numbers to determine what Mr. Thompson's exposure to
4 benzene was during the years he worked at Sabine River
5 Works using benzene out of 55-gallon drums, as he's
6 described, to come up within an inhalation exposure
7 figure?

8 A. True.

9 Q. You didn't come up with a dermal absorption
10 figure?

11 A. No -- no cumulative dose due to dermal was
12 estimated for his career.

13 Q. You and your staff have the ability to do
14 that, don't you, sir?

15 A. Yes, sir.

16 Q. You've done it before, correct?

17 A. Sure.

18 Q. Do you have any understanding of why you were
19 not asked to do that in this case?

20 A. No.

21 Q. Would it have made sense to you to do that
22 type of work in this case?

23 A. Only if I was going to be offering that
24 opinion in the affirmative.

25 Q. All right. So what were you asked to do with

1 regard to Dr. Nicas' work?

2 A. I was asked to comment on the validity -- the
3 scientific validity of the methodology and the
4 reasonableness of his conclusions.

5 Q. All right. Well, let's take them -- how do
6 you have them organized there? I haven't seen your
7 book.

8 A. Yeah, basically, the critique is very similar
9 to Nolen and the -- the analyses are very similar. He
10 made -- Dr. Nicas made a couple of differences in
11 assumptions, which I shared in the summary sheet
12 yesterday. And, in fact, I'll just include that here,
13 if you'll give me a copy of that.

14 So I'll put that here at the beginning.

15 Q. All right.

16 A. And -- so the -- so the critique is very
17 similar for both because he used the same methodology
18 with very small exceptions. His number with Thompson,
19 of course, is significantly larger with respect to
20 total dose. He -- he predicted that the doses were --
21 were -- were bigger.

22 Q. For Mr. Thompson, Dr. Nicas' numbers were, I
23 think, 131 parts per million inhalation; is that right?

24 A. Right.

25 Q. And was it 92 parts per million dermal?

1 A. Right.

2 Q. Do you criticize those numbers?

3 A. Yes, sir.

4 Q. And what should those numbers be, in your
5 opinion, as an expert for the defendant in this case?

6 A. Well, I --

7 MR. SCOTT: Object to the form.

8 A. -- I haven't done a point-by-point analysis.
9 My conclusions wouldn't be much different than Nolen's
10 with respect to -- I think if you did a careful
11 analysis, I would expect that it would come in
12 somewhere between 1 and 10 ppm-years. That's a good
13 broad range. I expect that's where it would come in if
14 you did what -- an analysis that I think more likely
15 represents what his exposures were.

16 Q. All right. That's your opinion is that
17 Mr. Thompson's exposures would have been between 1 part
18 per million-years and 10 parts per million-years?

19 A. Well, I haven't done the math. That's just an
20 estimate that based on Nicas' approach and what we
21 found to be, for lack of a better term, the ultra
22 conservatism of his -- his approach -- and that's --
23 it's not even fair to say ultra conservatism. I would
24 just say there was a lack of reasonableness in a number
25 of his assumptions. So using our -- our gross

1 estimates of the overestimate of exposure, that's my
2 best estimate as to where it would come in.

3 Q. And -- and your estimate is just that, an
4 estimate based upon your subjective interpretation of
5 what he did?

6 A. No. We did a quantitative overestimate -- we
7 did a quantitative analysis of each of the key factors,
8 and since I knew roughly what the overestimate was,
9 based on our analysis, you can backdoor into what my
10 estimate would likely be if I was to go ahead and go
11 through his whole work history.

12 Keep in mind, Dr. Nicas didn't look at his
13 whole history either; he focused on the -- on the
14 plaintiff's companies -- the defendant companies in
15 this case. He never did a lifetime analysis, which
16 kind of surprised me.

17 But if I were to do it, I would do a
18 career analysis --

19 Q. All right.

20 A. -- if I was asked to do that.

21 MR. BROWN: Let me object to the
22 responsiveness.

23 Q. So you testified that you have done a
24 quantitative analysis of Mr. Nicas' figures?

25 A. Yes.

1 Q. And you have criticisms of Mr. -- Dr. Nicas'
2 figures, correct?

3 A. I do.

4 Q. Let's talk about each one of your criticisms
5 in -- in the order that you would care to talk about
6 them.

7 A. Okay.

8 THE WITNESS: Maybe the best thing --
9 could I have the Nolen report? That might be a good
10 way to do it.

11 Thanks.

12 A. All right.

13 Q. Now, you're looking at your report from the
14 Richard Nolen case?

15 A. Actually, I've got a separate critique of --
16 of Thompson as well. That might be the fastest way to
17 do it.

18 Q. Whatever way, I just want to make sure we're
19 talking about Thompson and not Nolen.

20 A. Yeah, let's talk about Thompson. You know,
21 for sake -- do you want it read into the record?
22 Because it's kind of clear from here.

23 Q. Well, what I would like to do -- is this
24 the --

25 A. Do you want a summary critique, maybe, to

1 begin?

2 Q. Well, let's do this: Let's mark -- what is
3 that right there that you've got?

4 A. This is a review of the exposure estimates of
5 Dr. Nicas for Mr. Thompson.

6 Q. Okay. Let's mark that as Exhibit 11.

7 Is that in your notebook, Number 10?

8 A. No.

9 Q. All right.

10 A. I don't think it is.

11 (Exhibit Number 11 marked.)

12 A. These are more the in-depth backup for the
13 comments.

14 Q. So 11 would be a summary of the stuff in 10?

15 A. I think so.

16 Q. Is it -- and is it an accurate summary? Have
17 you compared it to what you've got there?

18 A. I'm pretty sure. I haven't done a real, real
19 careful comparison, but conceptually, it's very close.

20 Q. All right. And let's just start the first
21 point, and let's talk about on the record why it is you
22 think is -- Dr. Nicas has made some type of error in
23 his calculation.

24 And before we start, what methodology did
25 Dr. Nicas use in coming up with his estimates? Is

1 there a title that you can put on it?

2 A. I think he'd probably call it an analysis of
3 likely near-field concentrations.

4 Q. All right. Is that an accepted methodology
5 for evaluating worker exposures to benzene while
6 cleaning tools?

7 A. If done correctly, I think it would yield
8 reasonable estimates.

9 Q. You -- you, yourself, and your company uses
10 that same model to -- or would you use that same model
11 to come up with a dose estimate for Mr. Thompson?

12 A. We'd probably use that model with different
13 assumptions and probably compare it against one or two
14 other models as well. We've done that in the past.

15 Q. All right. The method -- or the model that
16 Dr. Nicas has used, is it a scientifically valid and
17 accepted model of modeling, the only difference you
18 have is the input data that he's put into the -- the
19 various variables of the equation; is that right?

20 MR. SCOTT: Object to form.

21 A. Yeah, in -- in large measure, I'd agree with
22 that, uh-huh.

23 Q. Okay. Let's go over where you say, as an
24 expert for the defendant, Dr. Nicas has made an error
25 in the use of this accepted model.

1 A. Yeah. I would like to look at the Nolen
2 report as well. It -- I'm trying to think about how
3 the easiest way to do this is.

4 THE WITNESS: Thanks.

5 A. I'm going to refer to the Nolen report because
6 I think it's the easiest way to answer your question,
7 looking at page 26.

8 Q. All right.

9 MR. BROWN: Let's mark the Nolen report as
10 Exhibit 12 so that we can --

11 (Exhibit Number 12 marked.)

12 Q. And just for the benefit of me and the jury,
13 why is it you're using the Nolen report? Is it the --
14 the same outline or --

15 A. It's the same out- -- it's --

16 Q. They were different --

17 A. -- it covers the same issues.

18 Q. Okay.

19 A. And it's such -- it's such a technically --
20 you can make it a technically complicated topic, and I
21 want to make it such that it's very clear to you and
22 the jury as to what my beliefs are about the
23 shortcomings in his approach.

24 Q. Okay. So -- but the numbers are different in
25 Nolen, so -- than they were in Thompson?

1 A. But the methods --

2 Q. Okay.

3 A. -- that he used --

4 Q. I gotcha.

5 A. -- and the input parameters are -- that are
6 problematic are different.

7 Q. And you've got those --

8 A. Are the same, rather.

9 Q. You've got the methodology already put into
10 a -- a format that you would want to discuss from the
11 Nolen case, and there would be no difference in the
12 methodologies?

13 A. Yeah, pretty much.

14 Q. Okay. That -- that explains why --

15 A. Yeah. And I would just tell you, the
16 differences -- the -- the size of the near-field radius
17 is different, for instance, between Nolen and Thompson.
18 He used 2.5 in Nolen and 3.25 in Thompson.

19 Q. All right. What do you say should have been
20 used as --

21 A. And then the -- the length -- the average
22 length of the exposure event is 22.5 minutes in Nolen
23 it's 17.5 in Thompson. The number of events is 976 in
24 Nolen and 1189 in Thompson.

25 And the generation rate is quite a bit

1 different. The range in Nolen is 17 -- 17,000 to
2 30,000 milligrams per minute, whereas in Thompson it's
3 118,000 milligrams per minute. His dermal dose is
4 quite a bit higher in Nolen. He has a
5 dermal-to-inhalation ratio of 2.3 in Nolen and 0.7 in
6 Thompson.

7 Q. All right. Let's talk about just Thompson.
8 What near-field radius do you say should have been
9 used?

10 A. I don't have a big problem with either 2.5 or
11 3.25.

12 Q. All right. So for Thompson, you say 3.25?

13 A. I don't -- I'm not going to take issue with
14 him on that.

15 Q. All right.

16 A. It's not a big -- it's not a big deal to me.
17 It -- 2.5 is probably more accurate, but I don't think
18 it's -- it's not the swing vote here.

19 Q. All right. First of all, you -- that's not a
20 basis of your criticism that he's at 3.25 for Thompson
21 of his --

22 A. No. I just am making note of it. I --

23 Q. Why do you say that you think 2.5 for Thompson
24 would be more accurate?

25 A. I'd -- I would have to look at the body of

1 evidence in the literature, but my recollection is that
2 more scientists used 2.5 than 3.25. But, again, it's
3 in the ballpark.

4 Q. Okay.

5 A. That's not going to make any difference
6 compared to these other factors.

7 Q. All right. What's the next factor that we --
8 I couldn't write it down as fast as you were laying
9 them out there, and I don't have it in front of me.
10 What's the next factor you're --

11 A. Well, I don't know what the next one was, but
12 the length of the event, one was 22.5 minutes -- would
13 you like your own copy?

14 Q. Well, it might be helpful if we had -- that
15 way we can go over -- because --

16 A. Yeah. Okay.

17 Q. All right.

18 A. There you go. Just take this one. Okay.

19 Q. All right.

20 A. Do you want to talk about length, maybe, of
21 each event?

22 Q. Well, the near-field radius -- where was that
23 on here?

24 A. It's down --

25 Q. It's down -- okay. You didn't talk about them

1 in order?

2 A. Right.

3 Q. I see.

4 Let's look at -- let's look at them in the
5 order that you have them there for Mr. Thompson.

6 Airborne benzene concentration?

7 A. See, that's the end result.

8 Q. Okay. So --

9 A. Or the -- that comes from the other factors --

10 Q. Okay.

11 A. -- so I don't think it's -- that's not a good
12 place to start.

13 The place to start would be the number of
14 events --

15 Q. Number of --

16 A. -- because that's an input factor.

17 Q. Number of events.

18 And that's a number of different episodes
19 where he would have had exposure to benzene as
20 described from washing his tools; is that correct?

21 A. Based on his testimony.

22 Q. All right. And the number of events for
23 Thompson is 1,189, and you're critical of that number?

24 A. I don't feel like being critical of these
25 numbers.

1 Q. Well, what --

2 A. Because they're -- those are facts in the
3 case, as -- as expressed in the deposition, so I can't
4 say they're wrong. So they are what they are, as you
5 would say.

6 Q. All right. So --

7 A. No -- no -- no issue there because that's --
8 that's -- that's the cards we're dealt.

9 Q. All right. And -- and your figures that
10 say -- or your opinions that say Mr. Nicas
11 overestimated Mr. Thompson's exposure have nothing to
12 do with, then -- as I understand your testimony, with
13 the number of events or the near-field radius; is that
14 correct?

15 A. Well, he can claim he read it the way he did.
16 I -- I -- I read it to be different, okay? If you want
17 to know that specifically, it's at the bottom.

18 I think that Nolen probably had, based on
19 his testimony, 732 days of tool cleaning with benzene,
20 with 33 percent of the days having two cleaning events,
21 based on his testimony, and then 1160 events of a tool
22 cleaning with benzene, with 29 events of scaffold
23 cleaning with benzene.

24 Q. All right. Do you know how for the
25 Thompson -- and all I'm interested in today is

1 Thompson --

2 A. Yeah.

3 Q. -- for -- in terms of these exposure issues.

4 Thompson, 1160 plus 29, how did -- how did
5 you -- how was that number derived; do you know?

6 A. It was based on the deposition.

7 Q. Do you -- and do you know, based on the
8 deposition, how somebody said, okay, there's 1160
9 events?

10 A. Oh, yeah. Yeah. It's -- it's -- it's well
11 described. It would take us a while to go through
12 that. You mean you want to know exactly how Mark came
13 up with that number?

14 Q. I'm just trying to figure out --

15 A. Yeah.

16 Q. -- how you came up --

17 A. Well, no, it's --

18 Q. -- why you're -- I'm just trying to figure out
19 how -- how it is and why it is that you say Dr. Nicas'
20 exposure estimates are different and overestimate
21 Mr. Thompson's exposure.

22 A. Uh-huh. Nicas assumed Mr. Thompson worked for
23 24 months at DuPont. Based on his testimony and Social
24 Security records, the actual duration of employment is
25 more like nine to 10 months. So if you want to go

1 back, which I didn't do, and get that right, I would --
2 you'd have to go through that calculation.

3 Q. All right. So --

4 A. So his -- my criticism is he's got the
5 duration of time at DuPont incorrectly --

6 Q. All right. And it's based on --

7 A. -- calculated.

8 Q. -- it's based on John Thompson's testimony and
9 his Social Security records. Anything else?

10 A. That's all I have, I think, on his duration of
11 time at the -- at the plant.

12 Q. You saw his testimony where he testified I
13 worked -- he worked there from '66 through '68,
14 correct?

15 A. I'm just telling you, by looking at his
16 testimony carefully and his Social Security records, it
17 looks like it's closer to nine to 10 months of actual
18 contact.

19 Q. Did you see anywhere where John Thompson
20 testified he only worked at the Sabine River Works
21 facility for nine to 10 months?

22 MR. SCOTT: Object to the form.

23 A. You're asking me for the -- for the actual
24 lines from his deposition?

25 Q. Sir, I'll represent to you I've read his

1 deposition. I took his deposition. I don't recall him
2 saying that ever in his deposition. And I don't want
3 you looking for something that I believe's not there.
4 I'm just saying --

5 A. No, I'm telling you, I -- that during the
6 journey of looking at Nicas' work, we believe that
7 that's a -- that our estimated is more accurate, based
8 on his own deposition and the Social Security records.

9 Q. All right. Did you also see in his Social
10 Security records where it listed his employment from
11 1972 on as self-employed?

12 A. Yes.

13 Q. And you know that's not accurate, correct?

14 A. Are you putting into question the Social
15 Security records?

16 Q. I'm just saying, you -- you said you relied
17 upon the --

18 A. Well, you know, self-employment records are --
19 when you're talking about self-employment Social
20 Security, they're historically known to be not
21 accurate. When you're talking about getting a payroll,
22 those are thought to be very accurate.

23 MR. BROWN: Well, let me object to
24 responsiveness.

25 Q. Your basis for the difference between Nicas'

1 number and the number of events is Mr. Thompson's
2 testimony --

3 A. And his Social Security --

4 Q. -- and his Social Security records?

5 A. That's right.

6 Q. I'll represent to you that nowhere did I see
7 in his testimony that he said, I worked at DuPont
8 Sabine River Works for nine to 10 months.

9 Do you -- do you have a specific
10 recollection that he testified to that?

11 MR. SCOTT: Object to the form.

12 A. All I can tell you is in nearly 20 years of
13 doing this work, people's testimonies frequently don't
14 match the facts of the case, and the Social Security
15 records rarely have a mistake in them, and, therefore,
16 I have to believe the objective data from Social
17 Security, based on my experience, is usually more
18 dependable than a person's recollection.

19 Q. All right.

20 MR. BROWN: Let me object to
21 responsiveness.

22 Q. You also know --

23 A. You and I can differ on that. That's my
24 opinion.

25 Q. I agree.

1 A. There's no sense arguing about it.

2 Q. I'm not -- I don't want to argue with you.

3 You agree that Social Security records are
4 inaccurate in many instances?

5 A. Especially in self-employment.

6 Q. Right.

7 A. Not so often when there's a paycheck.

8 Q. All right. When he was getting a paycheck
9 from the Deweyville Independent School District from
10 '72 on, you would expect that if they were accurate,
11 they would have listed him like he was getting a
12 paycheck in his prior history, but instead they
13 reported it as self-employment. That's not accurate,
14 is it, sir?

15 MR. SCOTT: Object to the form of the
16 question.

17 A. I don't know. I haven't looked at that.

18 Q. All right. Any other basis for this number of
19 events deal, other than what you've described?

20 A. Well, I could -- I could professionally
21 question whether or not one would have been likely to
22 do one or two tool cleanings a day on the number of
23 days that he said he did it, but that would be my
24 professional opinion.

25 Q. That would just be speculation because you

1 don't know, correct?

2 MR. SCOTT: Object to the form of the
3 question.

4 A. I just said, it's -- it would be my opinion.
5 That's all. I don't --

6 Q. Any facts that you have to say he did it -- he
7 didn't do it two times a day?

8 A. I don't have any facts, no.

9 Q. Okay. Do you know what mastic tar is?

10 A. Mastic?

11 Q. Black mastic tar that was used --

12 A. Oh, black mastic tar. Yes, sir, I do know.

13 Q. Is that a sticky substance?

14 A. Oh, yes.

15 Q. Have you seen it applied in plants with
16 insulation where they're building --

17 A. Absolutely.

18 Q. It gets all over everything, doesn't it?

19 A. Well, you try not to, but it -- depending on
20 the applicator, it can get all over everything.

21 Q. Hard to get off without a solvent, correct?

22 A. He didn't do that.

23 MR. BROWN: Let me object to
24 responsiveness.

25 Q. If you were trying to get black mastic tar off

1 of yourself or your tools or your clothes or your
2 equipment, it would be hard to get off with soap and
3 water, correct?

4 A. Oh, it doesn't come off with soap and water.
5 I've done it, so --

6 Q. You need a solvent?

7 A. You do, indeed.

8 Q. Is there a solvent, to your knowledge, better
9 for that process than benzene?

10 A. I've never tried it with benzene, so I can't
11 tell you. But I -- there's a lot of solvents that
12 work.

13 Q. Benzene was an excellent solvent, wasn't it?

14 MR. SCOTT: Object to form.

15 A. Depending on the -- on what you're
16 solublizing, it can be very good.

17 Q. All right. Any more discussion about the
18 number of events that you attribute to Mr. Thompson and
19 your criticisms of Dr. Nicas on this issue, other than
20 what we have described?

21 A. No.

22 Q. Okay.

23 A. I think if you -- if I was ever asked to do a
24 workup, I can give you the specifics of the bases for
25 that view beyond what I've said.

1 Q. But initially you told me no big problem with
2 these numbers as they exist --

3 A. Based on -- based on him. It's a big
4 problem -- it's not a big problem; it's a factor of
5 two, okay? And they're so -- the other factors --
6 that's why I said it wasn't a big problem. We're only
7 talking about a factor of two here. There are other
8 times where the factor is much larger. You'll see it's
9 a factor of two in my tab 7, okay, of Exhibit 10.

10 Q. All right.

11 A. That's page 17, for your benefit.

12 Q. Okay. And then what's the next issue that you
13 say -- you take issue with with Dr. Nicas and his
14 estimates of Mr. Thompson's exposures at the DuPont
15 Sabine River Works facility or at the Texas US Chemical
16 facility?

17 A. Okay. I'll take them in order of the tabs in
18 Exhibit 10.

19 The first one is, we evaluated his
20 calculations of the 99 percentile on wind speed, and --

21 Q. All right. That's one we didn't talk about
22 yet.

23 A. No. It -- it's better, I think, to work off
24 Exhibit 10.

25 So his wind speed is -- I would have to

1 say is very low.

2 Q. His wind speed is what?

3 A. Well, of course, it varies. It's a
4 distribution. But he -- he has wind speeds varying
5 from in the 50s -- feet per minute up to -- up to 176
6 feet per minute.

7 Q. And what is --

8 A. But his harmonic mean is 52 feet per minute.
9 It's the best, probably, descriptor. You would expect
10 that the wind speeds would be significantly greater
11 than that.

12 Q. What did you, in your calculations --

13 A. What would I pick?

14 Q. -- come up with?

15 Yes.

16 A. Well, I like 5 miles per hour. That's the one
17 the government seems to like, and -- let me tell you
18 what page that's on.

19 On page 9 of Exhibit 10, which is -- we
20 put in Table 4.1 -- like I said, his arithmetic mean,
21 using a GSD of 3, was 176. And if you use 5 miles per
22 hour with a geometric standard deviation of 2, it's 440
23 feet per minute. The harmonic mean is -- is markedly
24 different, and that's -- at 5 miles per hour, harmonic
25 mean is 82 -- well, that's probably not so helpful --

1 80 -- I'll just say 3.09 miles per hour. He's got 0.6
2 that he's using.

3 Q. All right.

4 A. So you're talking about a -- roughly a factor
5 of at least five differences.

6 Q. Where did you --

7 A. And that's -- and that's a good. If I was
8 going to say what my summary view is on wind speed, I
9 would probably select a value that's five times greater
10 than what he selected.

11 Q. You select 5 miles per hour for your
12 calculations?

13 A. I haven't done the calculation. I'm telling
14 you, if -- if I was to do it, I would certainly weigh
15 in at something close to 5 miles per hour.

16 Q. And you said the government likes that. What
17 is the source of --

18 A. It's in their -- their guidance, which is
19 described here in Nolen. The title page is missing.
20 It's in my tab -- it's under US EPA 2006 as well as --

21 THE WITNESS: Have you got that handy?

22 A. I think that's the right reference is EPA
23 2006.

24 Q. What particular page or anything can you point
25 me to?

1 A. Oh.

2 Q. I mean --

3 A. Okay. Hold on. I didn't tab that particular
4 page. Let's see here.

5 Q. What is it -- what is the calculation entitled
6 under the EPA 2006?

7 A. Well, it's -- it's in the 2004 ASTM document,
8 which is a little easier, probably, cite.

9 The default air speed for site risk-based
10 corrective action is 5 miles per hour. I also believe
11 it's cited in the EPA document. But maybe the easiest
12 one to find is ASTM 2004.

13 Q. And 5 miles per hour, 10 meters above the
14 ground; is that correct?

15 A. No. I'd have to go back and look, but I think
16 that's their best estimate for ground level because
17 that has to do with distribution of dust at the ground
18 surface. So it's not 10 meters.

19 Q. Does it -- does it consider obstructions or
20 buildings or anything like that?

21 A. No, I don't think it does. You'd have to
22 correct -- make additional corrections for that.

23 Q. All right. Is that what -- when you were
24 referencing the EPA section yesterday and you said if
25 there were buildings or structures involved, that would

1 be obstructions that this wouldn't -- that model would
2 not apply or that factor would not apply?

3 A. It was a different reference. I was referring
4 to ISCLT model -- there's a -- there's an option in
5 that model for accounting for buildings, and -- and
6 then there's all kinds of variations on that model for
7 accounting for buildings.

8 Q. All right. You saw -- you saw Mr. Thompson's
9 testimony --

10 A. I mean, Nicas doesn't talk about that at all.
11 He doesn't consider any of that.

12 Q. All right. You saw Mr. Thompson's testimony
13 where he testified that when he would do these
14 cleanings, he would do it adjacent to their
15 contractors' shack or under vessels or even inside of
16 his shack, correct?

17 A. Well, there's all kinds of discussions about
18 where that happened, so -- I think it's a reasonable
19 assumption that it's nowhere near what Dr. Nicas chose
20 and that it's five -- at least five times higher, could
21 even be 10.

22 Q. And it's based on this EPA factor of 5 miles
23 per hour?

24 A. EPA and ASTM both. But it's -- it's a long
25 discussion that you're welcome -- that I've provided

1 you. But that's a summary view.

2 Q. And that's on page 19 of your notebook?

3 A. Yeah. Yeah.

4 Q. All right. All right. Is there anything else
5 about wind speed that you think's inaccurate about
6 Dr. Nicas' opinion?

7 A. No. I'm telling you, it's -- it's probably
8 five or tenfold too low. That's a -- just a good
9 approximator.

10 Q. All right. And -- and so the higher the wind
11 speed, the less ppms, correct --

12 A. Yes.

13 Q. -- that Mr. Thompson would be getting exposed
14 to?

15 A. Well, there's another component to that. When
16 you're outdoors, it's more than just being outdoors.
17 The model falls apart, in large measure, because you
18 don't have -- you don't have the opportunity for
19 uniform distribution of the point source vapors when
20 you're outdoors. It's there, but it's quickly
21 minimized by the directionality of outdoor winds.

22 Q. And you've used this same model for a
23 calculation of outdoor exposures, correct?

24 A. I did not -- I would not have used his model
25 for doing that, not for outdoors.

1 Q. Have you ever --

2 A. I mean, you could if it's done right, but I --
3 I wouldn't -- I probably wouldn't do it. I would use
4 another approach for outdoors.

5 Q. What -- what approach would you use for
6 outdoors?

7 A. I would use EPA's approach for dealing with
8 outdoor distribution of dust and vapors.

9 Q. What is that approach? Can you --

10 A. You mean, like what's the cite of it?

11 Q. Yes, sir.

12 A. Well, there's a number of places you can cite
13 it. It's -- it's in their air pollution -- how you
14 calculate the distribution of vapors and dust in the
15 outdoor environment.

16 I think it's discussed in AP 42. I think
17 it's discussed in their site corrective action
18 document. I have it in here as well.

19 Q. But --

20 A. But it's a number of places that -- that you
21 do it. But you -- it's a totally different -- he -- I
22 think he took his -- his indoor near-field model, okay,
23 basically an industrial hygiene model, and tried to --
24 to get it to work in the outdoor environment. He talks
25 about it and how he mentions it in his paper.

1 And then he -- you know, it was a long
2 discussion with Mr. Scott about how he thinks it's
3 validated by comparing it against my Liquid Wrench
4 study and the fact that I did some outdoor sampling and
5 that they paired up. What he didn't mention is that
6 was a -- a very rare day, and I intentionally selected
7 a day where there was no perceptible wind in the
8 outdoor environment.

9 At that point, then, the model could
10 properly estimate the near-field environment because
11 it's not that much different than outdoors -- or
12 indoors when it's -- when there's no air movement,
13 virtually still air.

14 But when you get real outdoor environment,
15 that model comes apart. It's not meant for that.

16 Q. All right. But you said you would have used
17 the air pollution model AP 42 used by the EPA?

18 A. I would just use one of the several outdoor
19 models. There's a number to choose from.

20 Q. But that's the model that you said yesterday
21 that if there were obstructions --

22 A. No, it's different, again.

23 Q. Let me -- let me just ask you, did you not say
24 that yesterday, that AP 42 would not be applicable
25 if --

1 A. Well, AP 42 is a big --

2 THE WITNESS: Sorry. What do you have?

3 (Record read.)

4 Q. -- if there were obstructions?

5 A. No, I didn't say that.

6 Q. All right.

7 A. What I said was, obstructions would -- would
8 alter how you would estimate the velocities at ground
9 level if there was a number of buildings.

10 Okay. So -- so you're not confused in any
11 way, AP 42 is a big book, okay? ISCLT is a model
12 that's used by EPA for point source. There's also
13 things like the cow herd model, which is a nickname for
14 a model for outdoor environment, air modeling. There's
15 a number of models that are available, they're all very
16 similar, for doing outdoor air exposure estimates.

17 I don't think Nicas' indoor air model,
18 near-field model, is easily applicable to the outdoor
19 environment. It's that simple. It could be modified
20 to be, but it's not easily used. It's much more
21 preferable, in my view, to use other approaches that
22 are more traditional.

23 Q. All right.

24 MR. BROWN: Let me object to
25 responsiveness.

1 Q. All right. Anything else about wind speed
2 that you would criticize or have issue with with regard
3 to Dr. Nicas' report?

4 A. Well, it's -- this is one you have, so -- this
5 just adds more information. But on page 6 of the
6 critique on Thompson, I talk about the -- the sources
7 of information about how to find appropriate indoor air
8 speeds, and they all are markedly less than the outdoor
9 environment.

10 As I said, if you look at the -- that body
11 of information, you're going to say that he
12 underestimated out -- underestimated the velocities by
13 a factor of five to 10. That's just the best thing to
14 say.

15 And the ASTM risk-based corrective action
16 document, as well as the EPA document, and I think the
17 cow herd model as well, will all point you in the same
18 direction for how to do it properly.

19 Q. All right. All of those models, you say, are
20 properly used?

21 A. If they're properly used.

22 Q. And those would be proper and scientifically
23 accepted as reliable to determine air speeds as it
24 would apply to Mr. Thompson's use of benzene in a
25 refinery -- or a chemical plant?

1 A. They talk about, actually, a model and air
2 speeds, but mostly a methodological approach. They
3 also cite some velocities.

4 Q. All right.

5 MR. BROWN: And let's mark that as --

6 A. I think -- I think we already have.

7 Q. What is that?

8 A. It's 11. It's Exhibit 11. Right here.

9 Q. All right.

10 A. All right. So let's go --

11 Q. What page were you referring to on Exhibit 11?

12 A. Page 6.

13 Q. Okay.

14 A. All right. So let's go on to the next one.

15 All right. The second tab also talks
16 about the -- the probability -- cumulative
17 probabilities that he used, that is the Monte Carlo
18 technique and the statistical analysis of how to treat
19 wind speed, and it's self-descriptive. That's --
20 that's all I can tell you.

21 We -- we would have done a different --
22 used a different probability weighting for trying to
23 estimate the -- the distribution of wind speeds.

24 Q. What would you have done?

25 A. It's -- it's well described here.

1 Q. On page 4 of your notebook there?

2 A. Yeah, 4 and 5.

3 Q. All right.

4 A. And the supporting tabs.

5 All right. Let's go on to -- just to get
6 you done in time, let's just go through each of them.

7 Q. Well, we've done near-field radius?

8 A. We've talked about near-field radius.

9 Q. Number of events?

10 A. Number of events.

11 Q. Wind speed --

12 A. Wind speed.

13 Q. -- and harmonic mean. There's nothing else we
14 need to add -- or you need to add about your criticisms
15 of Nicas' report on that issue; is that right?

16 A. I don't have anything else to say about that.

17 Q. All right. Length of event, have we talked
18 about that one yet?

19 A. We should. I -- it's -- it's not something
20 that I've quantitatively addressed, but I'll give --
21 I'll just tell you what the -- what I think the problem
22 is on it.

23 I -- having witnessed many craftsmen
24 cleaning tools over the years, including those that
25 dealt with mastic-like materials, I'm not -- in my

1 experience, I think this is an excessively long amount
2 of time to clean tools where you're physically engaged
3 with the liquid. That's my professional opinion.

4 And --

5 Q. So --

6 A. -- having cleaned tools myself many times, it
7 doesn't take that long to -- to clean a reasonable
8 number of tools off.

9 In fact, it's curious in the deposition,
10 he never mentions what kind of tools he's cleaning. In
11 his craft, there aren't many tools involved, and they
12 certainly don't need to get very dirty, based on the
13 way he -- he described his jobs.

14 MR. BROWN: Well, let me object to the
15 nonresponsive portion.

16 Q. What you're saying is that you don't believe
17 Mr. Thompson's testimony that he cleaned tools on
18 average for 17.5 minutes. That's really what you're
19 saying, correct?

20 MR. SCOTT: Object to the form.

21 A. I -- I found it long -- it didn't make sense
22 to me professionally. That's all I'm telling you.

23 Q. All right.

24 A. Okay. And I've -- having looked at hundreds,
25 if not thousands, of depositions and then compared it

1 against my simulation studies -- in other words, when
2 I've done it myself, the -- the time is markedly
3 different, usually. That's all I can tell you is -- is
4 I differ with his recollection on the time it took him
5 to clean the tools. I'm not going to make that a big
6 issue in this case.

7 Q. You could clean your tools faster than
8 Mr. Thompson could, apparently. Really, is that
9 what -- that's really all you're saying?

10 MR. SCOTT: Object to form.

11 A. No. I'm really saying that there's other
12 things in his recollection that make you wonder about
13 the quality of his recollection. I'm just saying that
14 it's unlikely that he -- he needed that much time, at
15 least intimately interacting with solvent to clean
16 those tools. That's all I can tell you.

17 Q. Let me just ask you --

18 A. With respect --

19 Q. Is he -- do you think Mr. Thompson's lying
20 about the time he spent cleaning tools?

21 A. Absolutely not.

22 MR. SCOTT: Object to form.

23 A. Absolutely not.

24 Q. All right. Do you think that he just don't
25 know what the heck he's talking about when he said, I

1 used them on average for --

2 A. Absolutely not.

3 Q. Okay. All right.

4 A. I'm -- I'm questioning his memory.

5 Q. Do you think that Mr. -- Dr. Nicas' use of
6 this 17.5-minute length of event is inconsistent with
7 the testimony provided by John Thompson?

8 A. No, sir.

9 Q. All right. What's the next subject that you
10 differ with Dr. Nicas?

11 A. I -- I mention here, as I've mentioned before,
12 in tab 3 the basis for the -- my belief that he's using
13 indoor wind speeds, relying on them more than he is
14 outdoor speeds.

15 Q. What --

16 A. That's in Exhibit 10.

17 Q. What parameter are you on?

18 A. I'm on indoor versus outdoor speed again.

19 Q. Wind speeds again. Okay.

20 A. Yeah. Okay?

21 That's all I have to say about that.

22 Q. All right. The next one I have on this
23 parameter list that you provided me was generation
24 rate.

25 A. Well, that's a good one. Let's talk about

1 that.

2 Q. Tell me what your criticisms are of Dr. Nicas'
3 generation rate that he used for Mr. Thompson.

4 A. Well, he bases it on his best understanding of
5 what was discussed in the testimony, okay?

6 Q. Is his estimate factually inconsistent with
7 the testimony provided by Mr. Thompson?

8 A. I have to think about how to answer that.
9 It's consistent with what -- how Dr. Nicas interpreted
10 Thompson's testimony.

11 Q. All right.

12 A. Okay.

13 Q. It's consistent the way he interpreted it?

14 A. It's not inconsistent.

15 Q. Okay.

16 A. Okay. Now --

17 Q. What are your -- what are your criticisms,
18 then, of Dr. Nicas?

19 A. The criticisms are that the volatility of that
20 material is not nearly as great, based on the
21 conditions of use, as he has described. In other
22 words, it doesn't volatize out of the pail at the rate
23 that he's predicting. I don't believe it did.

24 Q. What do you base that on?

25 A. Yeah. Well, I base it on not having carefully

1 evaluated the reasonableness of the -- of the loss rate
2 as described by Thompson. You see, that's the
3 difference. You have to really think about whether
4 Thompson's claims are reasonable based on the
5 characteristics of benzene.

6 Q. What I'm asking for, Dr. Paustenbach, is, tell
7 me today, as clear as you're going to tell the jury,
8 why it is that the generation rate used by Dr. Nicas
9 for Mr. Thompson is inaccurate. Tell me all the
10 reasons that you think it's not correct in terms of it
11 overestimates the volatility of the benzene.

12 A. Well, if -- if -- if he would have gone
13 back -- let's just say you go back and Dr. Nicas would
14 have even gotten a half a pail of benzene at some
15 reasonable temperature and measured the loss rate over
16 17 minutes due to volatilization, he would have readily
17 seen that his model or his approach wouldn't be right.
18 That's -- I believe that.

19 Q. All right. Have you done that?

20 A. Not yet.

21 Q. All right. Never in your history have you
22 done that or anybody that works with you to measure --

23 A. Well, I've worked with benzene enough to know
24 what the loss rate you'd expect roughly in 17 minutes,
25 and it isn't 25 percent of a bucket.

1 Q. What is your estimate?

2 A. Oh, I don't know. I haven't -- I haven't done
3 it, but it's not going to be anything like that. I
4 mean, his estimate -- I can tell you this -- let's look
5 at tab -- tab 6.

6 Nicas assumed that -- this is on page
7 14 -- he assumes 0.625 gallons -- gallons evaporated in
8 17 minutes of tool washing, okay?

9 Q. Evaporated or lost?

10 A. Evaporated.

11 Q. Okay.

12 A. And if -- if you were to look at -- and I'll
13 just give you -- on page 6-1, known loss rates, this
14 would be in the vicinity of -- not -- not even in the
15 vicinity.

16 But if you were to manually brush with --
17 with something like benzene, you would -- you would not
18 still get to the same loss rate that he assumed out of
19 a bucket, which, as we talked about yesterday, has a
20 limited ability to infinitely lose at a given rate the
21 vapor, volatilize the benzene, because of the head
22 space. You -- you have to account for the height of
23 the head space to get a proper emanation rate. And his
24 numbers are more akin to when you have no inhibition
25 and you have open volatilization of the chemical.

1 I'm just telling you that -- and,
2 therefore, that loss rate, I think, is overestimated by
3 a factor of five or 10 easily.

4 Q. All right. Anything else that you would tell
5 the jury in terms of criticism of what Dr. Nicas'
6 generation rate was or his calculation or the number he
7 comes up with?

8 A. Well, I'd just say I think it's unreasonably
9 high, based on what I've said.

10 Q. And -- and I understand you're saying that.
11 But what I'm asking is, are you going to tell the jury
12 anything else about the basis for your opinion that
13 it's unreasonably high?

14 A. You could mathematically derive it properly
15 using -- considering the walls of the bucket and the
16 velocities over the bucket. That's one approach. You
17 could model it. You could measure it, as I said. I
18 could actually just get a bucket of benzene and look at
19 it that way. I could do it with a little bit of
20 agitation and -- and also measure the loss. Or I can
21 compare it to known studies where they use volatile
22 solvents in a thin film, which is the maximum way to
23 get volatilization, and look at that.

24 I also -- I realize -- I've read his
25 response to Mr. Scott's questioning on that, and I'm --

1 I'm aware of how he -- he feels about its
2 reasonableness. I just don't happen to agree with the
3 way he looked at it.

4 Q. All right. When you're talking about
5 agitating the surface of the benzene, does that
6 increase the volatility of it?

7 A. It doesn't -- it doesn't increase the
8 volatility; it increases the emanation rate, or the
9 loss rate.

10 Q. All right.

11 A. I mean, in a volatility --

12 Q. I understand.

13 A. Vapor pressure is defined as something --

14 Q. It increases the loss rate?

15 A. It does increase the loss rate.

16 Q. And the models that you use and rely upon,
17 does it consider an agitated surface area or a --

18 A. I would -- I would consider that. I mean, you
19 can't -- you can't -- and I have considered it, since
20 you can't create an environment that's more volatile,
21 if you will, than -- than so-called brushing of a
22 solvent.

23 Q. All right.

24 A. Okay?

25 All right. Let's -- do you want to --

1 THE WITNESS: How far are we into this?
2 Is it a good time for taking five minutes?

3 THE VIDEOGRAPHER: We have six minutes on
4 the tape, so --

5 THE WITNESS: Let's use up the last six
6 minutes of the tape, then, and then we'll take a break
7 at the end of the tape.

8 Q. All right.

9 A. Let's see.

10 Q. The next one I have on this list is ratio of
11 dermal to inhalation. Is that --

12 A. That's not a bad one to talk about.

13 Q. What are your criticisms of Dr. Nicas' opinion
14 as he calculated that for Mr. Thompson?

15 A. Well, the primary -- that's several layers of
16 criticism. One is, I don't believe that one can keep
17 their hands submerged in benzene for 17 minutes a day
18 once or twice a day. So that's number 1. I mean,
19 that's the number 1 driver.

20 Number 2, I don't think it was benzene.
21 And the testimony, to me, cannot clearly show it was
22 benzene. I mean, he's got a -- not -- not always
23 clearly show it's benzene.

24 Q. Wait a minute. He says he got benzene out of
25 a barrel that was marked benzene.

1 A. Right. And in certain cases, that's right,
2 and other times, he -- he acknowledges he uses other
3 cleaners as well.

4 Q. No. When he's talking at DuPont Sabine River
5 Works, he says, I went to a barrel of benzene with --
6 and it was marked 55-gallon drum of benzene.

7 A. I got the wrong one.

8 He -- he did say that.

9 Q. Okay.

10 A. But he also said -- no, wait. I have to go
11 back and look.

12 My recollection was he also talked about
13 using other cleaning agents for the tools, other than
14 benzene, in that location, but it's not in my summary.
15 I'll have to go back and -- are you stipulating he
16 doesn't say -- he doesn't refer to --

17 Q. I -- I recall him saying that about his work
18 in the oil field.

19 A. Hm. I -- I can go back and look. I know he
20 did say he did go to a drum and was told it was benzene
21 and took the -- took the cleaner out.

22 Q. All right. Additionally, you said you don't
23 think that a worker could wash his hands in --

24 A. Clear benzene.

25 Q. -- keep his hands in -- keep his hands

1 submerged in benzene for 17 minutes.

2 A. Straight 17 minutes once or twice a day, I
3 think that's unlikely.

4 Q. Where did you get that he had his hands just
5 set down in a bucket of benzene submerged for 17
6 minutes?

7 A. Well, that's virtually what Nicas assumes in
8 his calculations.

9 Q. Well, he -- Mr. Thompson testified he used
10 benzene at varying levels from as short as five minutes
11 to up to 30 minutes, but on average, I would assume it
12 would be a 17.5-minute average during these cleaning
13 episodes and that he would be using it as a cleaner.
14 He wouldn't be -- you didn't see any testimony that I
15 just kept my hands stuck down in the bucket for -- for
16 17 minutes, did you, sir?

17 MR. SCOTT: Object to the form.

18 A. Actually, I would agree with you.

19 Q. All right. And do you criticize -- do you say
20 that Dr. Nicas' calculations are based on that
21 assumption?

22 A. He's got -- he's got time spent with his hands
23 in the benzene, yes, sir.

24 Q. Or is the benzene on his hands?

25 A. I happen to agree with you, it's not

1 necessary. You could clean your tools for a
2 considerable period of time and only be exposed to the
3 vapors. That -- I won't differ with that.

4 Q. Well, there's a difference --

5 A. My -- my other problem with DuPont and the use
6 of benzene is, in that facility, based on their
7 knowledge of benzene, it's almost unfathomable for me
8 in that time period for them to be allowing a
9 contractor to use pure benzene in this way. It's
10 unfathomable, based on the long history of safety
11 programs at DuPont and the enforcement.

12 As you know, for decades, it was
13 considered the model firm and, in fact, was the
14 prototype by which all other programs were -- were
15 compared.

16 So among -- A, I don't think it's
17 physiologically like -- or from a compatibility with
18 the skin standpoint, I think it's unlikely that he had
19 the dermal contact that Dr. Nicas suggested. I don't
20 think it's likely that he used benzene pure. I don't
21 think he got the benzene at DuPont out of a drum and --
22 and used it in that manner with them being aware of it.
23 I -- he would have to have done it without their
24 knowledge, based on my knowledge of that company at
25 that time and their knowledge of benzene.

1 MR. BROWN: All right. Let me object to
2 responsiveness.

3 Q. So you're just saying Mr. -- Mr. Thompson is
4 not telling the truth. That's all your testimony is,
5 is you don't believe what he says, correct?

6 MR. SCOTT: Objection.

7 A. I think that it's possible his memory isn't --
8 isn't as strong -- it's just not consistent with what I
9 know about benzene, DuPont, tool cleaning, and the
10 toxicology of benzene from an inhalation standpoint or
11 dermal contact. That's all I can tell you.

12 MR. BROWN: Let me object to
13 responsiveness.

14 Let's change the tape and take a break.

15 THE VIDEOGRAPHER: The time is -- sir.

16 The time is 11:24. We are going off the
17 record. This is the end of tape 3.

18 (Recess 11:24-11:45 a.m.)

19 THE VIDEOGRAPHER: The time is 11:45. We
20 are back on the record. This is the beginning of
21 tape 4.

22 Q. All right. We were talking about your
23 criticism of Dr. Nicas' report. And we left off after
24 our discussion about generation rate.

25 A. Is that where we ended?

1 Q. Well, I mean, that's what I recalled.

2 A. Okay. I thought we started to begin on
3 dermal.

4 Q. Okay. Yeah, the -- the ratio of the dermal
5 inhalation.

6 A. Yeah.

7 Q. We had begun that. Thank you.

8 A. Yeah. And to supplement what I said
9 previously, when you and I had a difference of
10 recollection of his deposition -- and it looks like
11 we're both right -- it is true at times he said he got
12 benzene from drums. The reason I questioned whether he
13 knew it was benzene or not is he also says that he used
14 benzene to clean tools during his entire employment at
15 this location, but he never read the writing or labels
16 on the barrels. That's on page 121 and 122 of his
17 deposition. So that's why I said there was a lack of
18 clarity whether he knew he was drawing from a benzene
19 drum or not, because my impression was, he was basing
20 it on what he was told was in the drums.

21 The record speaks for itself on that,
22 but -- and another time, he makes it sound like he --
23 he knew it was benzene in the drums, so I -- it, I
24 think, is a -- it's just unclear.

25 Q. All right.

1 MR. BROWN: Let me object to
2 responsiveness.

3 A. Okay. So do you want to go back? It is what
4 it is.

5 Q. Let's go to the --

6 A. But that's why I thought that he was not sure
7 he was using benzene all the time.

8 MR. BROWN: The same objection.

9 A. Okay.

10 Q. Let's go to the ratio of dermal -- we have
11 begun that --

12 A. Yes.

13 Q. -- how is Dr. Nicas inaccurate in his
14 calculations of Mr. Thompson's dermal exposures?

15 A. Okay. So as you and I also discussed,
16 Thompson does not say that he kept his hands in benzene
17 for 20 minutes. I agree with -- I agree with you.

18 My understanding of Dr. Nicas' work is
19 that he assumes that both hands are generally in the
20 benzene, and -- and his calculations follow from that,
21 and so, therefore, I would take issue with that, for --
22 for the reasons I mentioned previously.

23 Two hands aren't needed anyway because, as
24 I said before, if it -- let's say a pipe wrench. Most
25 people would hold the handle of the pipe wrench and

1 then take a brush and put it in the solvent and brush
2 it a little bit and get it off, not needing to put
3 either hand into the -- into the bucket, especially if
4 it's a -- if it's a defatting agent, as he knew it was.
5 And then you dry it off, and if you need to clean the
6 handle, then you take the clean new end of the pipe
7 wrench, put it in with a brush, again, not having to
8 immerse either hand, and clean that and then lay it --
9 lay it down to be air dried.

10 So that's -- I take issue with the -- with
11 the assumption of both hands being immersed, even one
12 hand being immersed. I don't think it's necessary.
13 And I believe it was unlikely to have occurred,
14 certainly for -- for 20 or 30 minutes a day, once or
15 twice a day, 20 days per month for a period of 29
16 months. I think -- I just don't think that's
17 consistent with the record at DuPont.

18 Now, with respect to how do you estimate
19 the dermal uptake rate --

20 Q. Before you do that --

21 A. Yes.

22 Q. I'll save that for a second.

23 MR. BROWN: Let me object to
24 responsiveness.

25 Q. Is it your understanding that Dr. Nicas had

1 the impression that Mr. Thompson had his hands soaking
2 in a bucket of benzene for 17 minutes, or was it,
3 rather, he would use the benzene, and it would stay on
4 his hands while cleaning these tools for the
5 17.5-minute average?

6 A. That's a good point. With re- -- hold on
7 here.

8 In his deposition, he said that he
9 believed that the benzene would evaporate very quickly
10 off the skin once you expose it to air. He estimated
11 the skin -- the benzene would remain on the skin for 30
12 seconds when it wasn't immersed, when it was not
13 immersed, and he used the 140 square centimeters, which
14 is the surface area of both hands.

15 In other calculations, he did have both
16 hands immersed, and I don't remember the duration for
17 that. They're -- they're separate calculations. I can
18 look. So there's two -- there's two forms. One is the
19 hands out and it's evaporating. The other one is it's
20 going through the skin due to immersion. And we can go
21 back and look at those specific calculations.

22 Yeah, that's -- that's all I need to say
23 about that.

24 Q. Well, what are your -- what are your specific
25 criticisms of either of those calculations, benzene out

1 with -- hands out of the bucket cleaning tools with
2 benzene exposed to the air, what are your criticisms of
3 that?

4 And, secondly, what are your criticisms of
5 somebody putting their hands down into the bucket to
6 immerse his tools or whatever he was doing, as he
7 described that he did?

8 A. It's pretty much what I just said about
9 immersing the hands in the bucket of benzene -- into
10 the -- into the immersion. I don't think that was
11 necessary, and if it was -- if he was doing that, as he
12 described, I suspect it wasn't benzene. That's --
13 that's my criticism.

14 Now, Nicas has already said cleanly --
15 clearly that he just is using the data presented to
16 him. He's not passing judgment on -- on its merits.

17 Q. All right.

18 A. Okay?

19 MR. BROWN: Responsiveness.

20 Q. Let's -- let's move on. We were going to get
21 to the uptake of the dermal rate --

22 A. Yeah. Okay. Now we're talking about the
23 dermal uptake. You -- there are a number of values
24 available, and Nicas -- he could have done what I did
25 in the -- in my revised Pliofilm paper, which was to

1 consider a distribution value, including a Monte Carlo
2 approach, for estimating the dermal uptake.

3 The value he used is -- it's -- it's on
4 the high side, but the biggest issue is really the
5 whole technique. That's my -- that's my primary
6 criticism --

7 Q. You're --

8 A. -- with respect to the contact time, the
9 assumption it's pure benzene, immersing both hands for
10 any significant period of time, and the need to have
11 immersed the hands.

12 Q. Let me see if I understand.

13 A. And -- go ahead.

14 Q. His methodology is not what you criticize;
15 it's the assumptions that he used in applying those
16 assumptions to the methodology; is that correct?

17 A. Well, yeah, that's fine.

18 Q. You -- you are familiar with the methodology
19 he used and recognize that as a scientific --
20 scientifically valid and accepted methodology for
21 dermal absorption of benzene?

22 A. If you use the right parameters, he's
23 generally correct. It's not much different than what
24 I've done in the past.

25 Q. All right. But where --

1 A. But the assumptions are different.

2 Q. -- you are critical is the -- the assumptions
3 he's made?

4 A. Yeah.

5 Q. Tell me the -- the assumptions that you think
6 that he's made that are not supported by the facts or
7 otherwise invalid.

8 A. I already have, but I can go over them again.
9 It's -- it's basically that I doubt that it was pure
10 benzene. I don't think both hands were immersed.
11 There's no need to immerse both hands because it just
12 doesn't make sense. The uptake rate he -- since there
13 is some uncertainty about it, he could have used a
14 distribution of uptake rates.

15 I think in support of not having immersed,
16 the tox literature would -- would tell you it was
17 unlikely that somebody could do that. I've already
18 said it's unlikely to have been benzene due to the
19 DuPont state of knowledge. I think that covers most of
20 the criticisms.

21 Q. All right.

22 MR. BROWN: Objection, responsiveness.

23 Q. The -- applying both hands into a bucket of
24 benzene, you're saying a worker would never have done
25 that out at the DuPont Sabine River Works or the Texas

1 US Chemical facility, sir?

2 A. I can't say never, but I can -- I can tell
3 you, it -- it wouldn't happen -- I can't believe it
4 would happen once or twice a day for 20 minutes for 29
5 months or 24 months. I just -- it doesn't make any
6 sense either -- either toxicologically -- it doesn't
7 make sense practically, as a craftsman, and it doesn't
8 make sense that it would happen -- that he would have
9 access to pure benzene at his leisure to clean tools
10 based on benzene DuPont bought. It just doesn't -- it
11 doesn't hang together.

12 Q. You know he said, I went to a barrel of
13 benzene -- he said it was stenciled benzene across the
14 top of it. Now, if you got benzene out of a barrel
15 stenciled benzene and you were familiar with what
16 benzene looked like and smelled like, don't you think
17 that you would be able to tell if it's pure benzene or
18 not or -- or be reasonable in understanding that it is
19 pure benzene?

20 MR. SCOTT: Objection, form.

21 THE WITNESS: Can I have Thompson's
22 deposition, pages 121 and 22? It's not -- oh. Okay.
23 I got it.

24 A. I think your description's reasonably
25 accurate.

1 Q. If you went and got benzene -- or strike that.

2 If you went and got a substance out of a
3 barrel marked benzene and what you got out of there was
4 clear and looked like benzene, would you be correct in
5 assuming and knowing that it was benzene that you were
6 using?

7 MR. SCOTT: Object to the form.

8 A. I think that's a reasonable assumption.

9 Q. All right. There's nothing else to assume? I
10 mean, you've got the thing marked on there. To assume
11 anything else would be improper; you would agree with
12 that?

13 MR. SCOTT: Object to form.

14 A. It wouldn't make sense.

15 Q. All right.

16 A. And -- and -- and you're right; he says -- he
17 says it was painted on.

18 Q. Stenciled?

19 A. He used the word "paint." You're using --

20 Q. He also used the word "stencil" down at the
21 next, I think, page down there, painted or stenciled
22 on. I don't want to argue about it.

23 A. I don't know think -- I don't think it's
24 important, other than I can tell you that's unusual in
25 and of itself. Usually it's on the label. It's not

1 often sprayed on, but it can be. It can be.

2 Q. Did you see Mr. Beverly's testimony that they
3 stenciled it on at Van Waters & Rogers?

4 A. It can. Yeah. It can.

5 MR. SCOTT: Object to form.

6 A. Okay. Back to --

7 THE WITNESS: Thanks.

8 A. Okay. Let's go back to where I was.

9 I guess we covered most of the -- I think
10 we've covered all of the benzene criticisms --

11 Q. Any other criticisms --

12 A. -- on dermal.

13 Q. Any other criticisms of Dr. Nicas' assumptions
14 with regard to his use of the accepted model on dermal
15 uptake in terms of criticisms of the parameters or
16 assumptions that he made?

17 MR. SCOTT: Object to form.

18 A. I think that's enough.

19 Q. All right. With regard to Dr. Nicas' report,
20 at least for the list that you handed me, that looks
21 like to be everything that you were intending on
22 talking about.

23 Are there any other criticisms that you
24 have of Dr. Nicas' report that we haven't discussed?

25 A. My criticisms about tool cleaning are

1 generally applicable to scaffold cleaning as well. I
2 should have said that.

3 Yes, there is another criticism. It's
4 mentioned on that page. The ratio of dermal to
5 inhalation. Nowhere in all of my time ever have I seen
6 a dermal intake that's greater than inhalation, and --
7 and there's good reason for that. It's because of what
8 I've mentioned about what it would take to drive dermal
9 to be the predominant player with respect to intake.

10 And as you well know, many people have not
11 even liked my claim that at times it can represent, in
12 the early years in an unsophisticated time, 10,
13 20 percent of the total dose.

14 He has 230 percent of the inhalation dose
15 being due to dermal. That's highly unusual. That's
16 for Nolen. And -- and -- almost 100 percent. It's 131
17 via inhalation, 131 ppm-years for Thompson. The
18 inhalation dermal was 92.

19 So it's -- you know, it's 70 percent of --
20 of the inhaled dose he has through the dermal dose.
21 It's very high, very high.

22 MR. BROWN: Well, let me object to the
23 responsiveness.

24 I'm only interested in Thompson, and his
25 calculations for the ratio between inhalation and

1 dermal were, as I recalled it, 131 parts per million
2 for the inhalation and 92 parts --

3 A. That's correct.

4 Q. -- per million for the dermal.

5 A. Right.

6 Q. So the dermal was not higher for
7 Mr. Thompson's case than his inhalation --

8 A. No, it wasn't higher, but it's -- even at --
9 at that ratio, it's way higher than what I've ever
10 seen. Again, the math speaks for itself, and I think
11 the criticism is -- the criticisms I've made explain
12 why that's so.

13 Q. Is the methodology that he used in coming up
14 with those two figures -- do you criticize the
15 methodology or do you criticize the assumptions or the
16 variables that he input into the methodology?

17 MR. SCOTT: Object to the form.

18 A. I criticize the assumptions.

19 Q. The input?

20 A. The input parameters.

21 Q. All right.

22 A. Well -- and on inhalation, I questioned the
23 applicableness of his model. I don't think he should
24 be -- his model is not ideal for outdoor situations at
25 all.

1 Q. And you've told us why you thought those
2 were --

3 A. Yeah, yeah. But in that case, it's a
4 methodological problem. He shouldn't, in my view, be
5 using that model without lots of alterations if he's
6 going to use it in the outdoor environment.

7 Q. Have you used that same model for outdoor
8 calculations ever?

9 A. No, no.

10 Q. Even in your Liquid Wrench study?

11 A. I don't think so, no. What I said -- Liquid
12 Wrench study -- that outdoor data are not applicable to
13 outdoor scenarios. It was only meant -- I had the
14 ability to capture a so-called stagnant day, which
15 seems to come up a lot in litigation, so I was curious
16 on a stagnant day, can you approximate the near-field
17 using near-field models? Kind of to my surprise, you
18 could get close.

19 It -- but you have to have a stagnant day.
20 And it's -- you know, if you look at wind rose data
21 that are available from all over the United States,
22 you'll see that that's a -- a pretty unusual event on a
23 time -- time-weighted basis.

24 In fact, I've already mentioned the -- the
25 default value, conservative default value, is 5 and

1 8 -- 5 and 8 miles per hour in the standardized
2 approaches for outdoor.

3 Okay. That's -- that's pretty much my
4 criticisms on -- on the calculations.

5 Q. On Nicas' report.

6 Anything else that you can think of that
7 we need to talk about in terms of crit- -- criticisms
8 or something that Dr. Nicas did that you just say is
9 improper and resulted in an overestimate of
10 Mr. Thompson's exposure?

11 A. On exposure, I think that's the vast majority
12 of my criticisms. I would -- his -- some of his
13 opinions, as expressed in his deposition, I have
14 opinions about, but they're not dose -- they're not
15 revolving calculations of exposure.

16 Q. All right. All right. Let's talk about this
17 idea that you don't think that people could tolerate
18 exposures to benzene like Mr. Thompson has described.
19 That is your opinion, correct?

20 A. I think that they wouldn't. No, that's not my
21 opinion. You can do it for 20 minutes once. You
22 could -- you could do it potentially twice a day, but
23 you wouldn't be doing it repeatedly because I would
24 expect some frank dermatological problems, and they're
25 not reported by Thompson.

1 Q. Well, he did report that his hands were
2 cracked --

3 | A. He did.

4 Q. -- and they would -- they would get white,
5 correct?

6 A. Yeah. I -- I need to go back and look at my
7 notes regarding how much of the medical records we have
8 for Thompson to see if there's any reports of -- to his
9 physician about that. I just -- I don't remember right
10 this moment.

11 Q. All right. The man testified that, my hands
12 would turn white when I would use it. They would --

13 A. Oh, I -- I fully expect them to turn white.
14 That's different than frank degradation of the skin.
15 And when you get repeated frank degradation of the
16 skin, then you've got this accumulated intake -- uptake
17 rate.

18 And, by the way, that's another criticism.
19 Thanks for bringing that to my attention.

20 Dr. Nicas uses the damaged skin correction
21 factor of 5X. You can do that once or twice. You
22 can't do it day after day after day because at that
23 point now, you're conceding that the man's skin is --
24 is permanently altered.

25 So he can't have it both ways. You can't

1 tell me that he's got no skin problems, and yet in our
2 calculations, we're putting in a fivefold accelerated
3 intake factor because the skins are so damaged. We
4 can't have it that way.

5 His skin can't be good -- you know what
6 I'm saying? You can't have repeated damaged skin
7 and -- and damaged skin is just not defatted surficial
8 white skin; this is -- it's just not -- it's not
9 likely -- it's not possible that he would have that
10 much damage day after day and not have skin problems,
11 frank skin problems --

12 MR. BROWN: Let me object --

13 A. -- in my view. And I think that there's a
14 physician that supports that view -- a physician's
15 testimony that supports that view.

16 MR. BROWN: Well, let me object to
17 responsiveness.

18 A. Okay.

19 Q. What is -- what is the condition of human skin
20 that would warrant a 5X factor?

21 A. Well, if it's -- if it's -- if it's -- if it's
22 occluded on a daily basis, if it's defatted on a daily
23 basis, you can sometimes get that. But you -- but it
24 would only be -- that correction factor would be
25 applied, you know, less frequently than every day or

1 twice a day because you just wouldn't have that much
2 accumulated skin damage.

3 Q. Would it include cracked skin?

4 A. Oh, sure.

5 Q. And -- and you said --

6 A. Again, you can do it once. I don't mind
7 having -- like if he wanted to say every sixth day, the
8 skin was sufficiently cracked that I put in a 5X
9 factor, I could go with that. You can't do it twice a
10 day, week after week, month after month and expect to
11 get good data. That's all I'm saying.

12 Q. What factor should he have used in his model
13 of dermal uptake?

14 A. Well, the sea of compounding other reasons as
15 to -- I don't mind 5X, as I said. If he wanted to do
16 it one day a week for 20 minutes, I suppose I wouldn't
17 take issue with that. It's the -- it's the repeated
18 claim that you can defat and change the skin's
19 permeability by fivefold once or twice a day every day
20 for months. That's my -- that's my problem.

21 Q. What other factor was Dr. Nicas supposed to
22 use when the testimony was --

23 A. I just said I -- if I were him -- if you want
24 my advice, I would say, for defatting of the skin, I
25 don't mind once every 10 events, something like that,

1 using a 5X factor, but not every time.

2 Q. Let me -- let me get my question out.

3 What other factor or factors was Dr. Nicas
4 to use when --

5 A. Oh, 1X on --

6 Q. Please let me finish.

7 A. Oh, I'm sorry.

8 Q. -- when he would -- when he would -- the
9 testimony was, my hands were dried and cracked and
10 would turn white, and that was the way they looked all
11 the time when I was doing this.

12 What factor was he supposed to use for the
13 other days --

14 MR. SCOTT: Object to the form.

15 Q. -- that -- that you would -- other than the
16 one that you would agree with?

17 MR. SCOTT: The same objection.

18 A. I don't know. I feel like I've answered it.
19 I think the record's pretty clear.

20 Q. All right. Now, is it your opinion that the
21 human skin cannot tolerate benzene being -- using
22 benzene as a cleaner for 17 1/2 minutes a day, a couple
23 of times a day?

24 A. Without some skin damage, that would be my
25 position.

1 Q. But -- but you know he did have some skin
2 damage, correct?

3 MR. SCOTT: Object to the form.

4 A. I know he claimed the classic defatting white
5 appearance.

6 Q. All right. So let me see if I understand you,
7 because Dr. Irons has testified there's no way that the
8 human skin could tolerate the kind of benzene exposure
9 dermally that Mr. Thompson says he had.

10 Do you hold that same opinion?

11 A. I -- I'd have to couple -- if -- if I was --
12 if I was going to do this analysis on him, I would
13 couple my exposure estimates with the medical data,
14 because you're going to -- the doses that Nicas has got
15 for ppm-years, you're going to see some other effects
16 beyond defatting of the skin. If you believe those
17 dose estimates, you're going to see other changes. And
18 if his records are as good as I bet they are, you'll
19 see other blood changes and other symptoms of benzene
20 poisoning that would prevent that dose from being
21 reliable.

22 In fact, it's not reliable as well, is
23 another criticism. If you do the math on what the
24 airborne concentrations are alone, without the dermal
25 uptake, I think you're going to see symptomatology of

1 benzene poisoning during that time period, if you were
2 to believe those models.

3 Q. Have you seen any -- have you seen Dr. -- I
4 mean, Mr. Thompson's medical records from the years he
5 was employed?

6 A. You know, I can't remember right now. I
7 haven't -- I haven't sat down to try to figure that
8 out.

9 Q. Do you know if they exist?

10 A. I don't know.

11 Q. All right.

12 A. I assume that if -- you must have them. They
13 should exist. It's a court case.

14 Q. Any- -- so you're saying anybody who has
15 exposures to benzene --

16 A. At the levels that Nicas has said occurred --
17 if you had their -- good medical records for those two
18 years, I wouldn't be surprised if you saw indicators
19 clinically of problems.

20 Q. What indicators are you talking about?

21 A. Well, you could have a depression of white
22 blood cell count. You could see other changes in liver
23 enzymes. You would -- at some of these doses, you'd --
24 you'd see repeated CNS depression, I would think, at
25 some of these doses.

1 Q. With regard to the benzene exposures that
2 Mr. Thompson's described, is it your testimony that
3 everybody who would wash their hands for up to 15
4 minutes a day, twice a day for benzene -- they would
5 all experience low white blood count liver enzymes?

6 A. No, no, no, no. I would just like to -- I --
7 what I'm saying is, I would compare my dose estimates
8 and take a peek.

9 For instance, you may remember from my
10 Pliofilm paper, I was pretty careful to see if the
11 white blood cell counts matched historical doses that
12 were close to those that I had estimated. And it was
13 pretty clear to me that Rinsky had to be wrong about
14 his doses, which made me first look at that issue,
15 because you had the white blood cell counts depressed,
16 you had death in the Pliofilm workers, you had people
17 going on leave due to white blood cell depression, and
18 yet he's got doses that -- that don't cause those
19 effects.

20 So I think it's important to link these
21 together.

22 MR. BROWN: Let me object, responsiveness.

23 Q. My question was, is it your testimony that
24 somebody who gets exposed like Mr. Thompson says he was
25 exposed to benzene, would always have a depression of

1 his white blood cells or abnormal liver counts?

2 A. No.

3 Q. It's possible they could have -- be exposed
4 just like Mr. Thompson and experience no ill effects,
5 correct?

6 A. Yes, because of the differential
7 susceptibility to benzene.

8 Q. All right.

9 A. That's why I said I'd look, but it wouldn't be
10 definitive.

11 Q. My original question was, do you say that it
12 is not possible for a human being to work -- work with
13 benzene --

14 A. No.

15 Q. -- like Mr. Thompson did and --

16 A. That's not my position. I've worked enough
17 with solvents with my hands to not make that claim.

18 Q. All right.

19 A. I think it's unlikely, but it -- I'm not
20 saying it's not possible.

21 Q. All right.

22 A. I've seen guys have horrible hands in the
23 workplace.

24 Q. All right. You -- you talked about yesterday
25 relying upon this acute benzene poisoning study by

1 Avis, correct?

2 A. Yes. I think it -- it reminds us of what can
3 happen with repeated -- or not repeated -- with -- with
4 exposure to benzene.

5 Q. Let me ask you this: You've not received
6 anything from Van Waters & Rogers' lawyers as far as a
7 statement or any direct evidence that Mr. Thompson was
8 not exposed to benzene like he said he was, correct?

9 MR. SCOTT: Object to the form.

10 A. Can I hear that back?

11 Q. Yes, sir.

12 You have not received any evidence or
13 statements from Van Waters & Rogers' lawyers or any
14 other direct evidence that Mr. Thompson was not exposed
15 to benzene like he said he was --

16 MR. SCOTT: Object to the form.

17 Q. -- have you?

18 A. A letter from their lawyers wouldn't be facts
19 anyway that are admissible.

20 Q. Statements from -- statements that his
21 lawyer -- that Van Waters & Rogers' lawyers have gone
22 out and acquired from coworkers or anybody else?

23 A. Oh, I see what you mean.

24 MR. SCOTT: Object to the form.

25 A. I'm not aware of them going out and soliciting

1 affidavits on that matter.

2 Q. All right. What -- what you have is
3 circumstantial evidence in the form of, I guess,
4 studies like this Avis study that tell you in a case
5 report that these individuals in this particular case,
6 their skin didn't tolerate whatever exposures they had
7 on this particular day, correct?

8 MR. SCOTT: Object to the form.

9 A. No. Are you asking me the basis for my views?

10 Q. Yes, sir.

11 A. Yeah.

12 Q. And -- and let me ask it this way: Do you
13 base any of your views that Mr. Thompson -- it's not
14 likely that Mr. Thompson had exposures like he says on
15 this study that we've got marked as Exhibit 13?

16 A. No. I think that this -- that's simply an
17 exemplar.

18 Q. And as an industrial hygienist, you wouldn't
19 base your opinions on the effects of benzene on the
20 skin on a study -- a case report like Exhibit 13,
21 correct?

22 A. I would not. I -- I would tell you this --

23 THE WITNESS: Is my occupational
24 dermatology file here?

25 A. Assuming it is -- but I'll paraphrase what

1 I -- what I know that's in it. If you look at the five
2 classic books on occupational dermatology, written all
3 the way back to Schwartz, Tulipan, and Birmingham, you
4 will see that they talk at length about the effects
5 of -- of routine daily interaction with of benzene on
6 the skin, and that the damage is significant. And it
7 wouldn't -- and it wouldn't be subtle. It wouldn't be
8 white defatting of the skin.

9 That's all -- that, I can tell you. It's
10 just not Irons' view. And I'm certainly not relying on
11 this, because I think this is an extreme example. I'm
12 not denying it can't happen. I'm not denying it can't
13 happen without some clinically observable effects.

14 I am saying if a dermatologist saw your
15 hands and you're doing that every day, as Nicas
16 described -- see, you and I are more in agreement than
17 Nicas -- than you and Nicas are in agreement because
18 he's got a lot more dermal contamination submersion
19 than I think the testimony supports.

20 MR. BROWN: Let me -- let me object to
21 responsiveness.

22 THE WITNESS: Is Adams' book -- no, no.
23 You don't have it.

24 A. Let me just tell you the books I'd refer to.
25 Schwartz, Tulipan, and Birmingham, the old occupational

1 dermatology, the classic text, Adams' book, Maibach's
2 writings, and Marks' -- Dr. Marks' writings, and Deleo.

3 Q. All right.

4 A. And -- and by trial, I'll -- I'll have those
5 available.

6 MR. BROWN: Again, let me object to
7 responsiveness.

8 A. Yeah.

9 (Exhibit Number 13 marked.)

10 Q. Exhibit 13 is a case report, isn't it, sir?

11 A. Yes, it is.

12 Q. Do scientists typically rely on a single case
13 report to say exposure to a chemical X can cause a
14 condition Y?

15 A. Not for subtle causation that re- -- that can
16 occur in the background. I understand where you're
17 going.

18 Q. You --

19 A. This is not -- this is not trying to identify
20 a case report where the -- the causative agent is of
21 unknown etiology. Remember, a case report links
22 perceived -- you know, what they believe to be a
23 linkage. There is no question about the linkage here.
24 It isn't like I'm re- -- when it's a frank event, you
25 can rely on a case report.

1 For instance, if you ate 100 aspirins and
2 died, I'd rely on that as telling me something. What
3 you're taking issue with is in epidemiology, trying to
4 form a causal link for a subtle disease that occurs in
5 the background. That's what's so different. Does that
6 make sense?

7 MR. BROWN: Let me object, responsiveness.

8 A. Okay. It's very responsive, by the way.

9 Q. Do scientists --

10 MR. BROWN: Let me object to the
11 responsiveness.

12 A. Okay.

13 Q. Do scientists typically rely on a single case
14 report to establish causation of anything?

15 MR. SCOTT: Object to the form.

16 A. Yes. On something that's clean and it's not
17 in the background. So this is -- okay. Our skins are
18 in the background, right, and -- and not out there are
19 this kind of frank injury.

20 Whenever you have clean skin and you --
21 and let's say you put it in lye for two minutes and you
22 pull it out and half your skin's gone, that's -- you
23 can -- you can come up with a causal link.

24 That's exactly what happened here. That's
25 the best example I'll give you. You want to take

1 battery acid, put your hands in for 10 minutes, and you
2 can -- there have been no other events that occurred,
3 and you pull them out, you can link the two.

4 It's not epidemiology case reports. This
5 is a frank toxicology, frank -- just like a poisoning
6 case. That you can rely upon, yes, sir.

7 Q. But you're -- you're not relying upon only one
8 report; you're relying upon a history of established --

9 A. If -- if you ate 100 aspirins, assuming you
10 were normal before, after I did your autopsy, and
11 there's no reason to believe anything else killed you,
12 that would be -- that would be causative.

13 Q. Because you --

14 A. And I would -- and I would put that in the
15 morbidity/mortality report and say, don't eat 100
16 aspirin.

17 Q. Because you know aspirin, based on other
18 reports, can cause that result?

19 A. I wouldn't need to know that. Let's see if I
20 pick something else.

21 Q. Let me ask you this way --

22 A. Well, I -- I can go on all day if you want to
23 hear what case reports and when you bank on them.

24 If I -- if you -- if you -- if I eat a
25 peanut butter sandwich laced with dioxin, I'm going to

1 break out; I'm going to get chloracne at a certain
2 dose, almost for sure. That's a cause-and-effect. We
3 can take it to the bank.

4 So on frank effects -- and you're not
5 trying to tease out background -- you can count on them
6 all day long, absolutely.

7 Q. You've criticized others for using case
8 reports or single case reports for establishing
9 causation, haven't you?

10 MR. SCOTT: Object to the form.

11 A. In epidemiology.

12 Q. All right.

13 A. In epidemiology.

14 Q. Do you know --

15 A. Where there's no other ability for
16 confounders.

17 Q. Do you know of any other instance?

18 A. Or where there is -- I'm sorry -- where there
19 is an ability for confounders, I criticize it,
20 definitely.

21 Q. Do you know of any other instance in the
22 scientific literature where men's skin has been burned
23 off with second-degree burns and sloughed off after
24 minutes -- only a matter of minutes of exposure to
25 benzene?

1 A. No.

2 MR. SCOTT: Object to form.

3 Q. That's not likely believable in your opinions
4 as an industrial hygienist that benzene could have
5 caused that by itself, correct?

6 MR. SCOTT: Object to the form.

7 A. Oh, if -- if -- if you believe that putting
8 your hands in benzene, pure benzene, for 20 minutes
9 twice a day occurred day after day, I -- I fully expect
10 that there would be marked -- marked skin effects. But
11 I -- I don't have a photograph or a case report to give
12 you today that would say how dramatic those effects
13 would be. I doubt that they would be that dramatic.
14 You would never allow yourself to become that dramatic.
15 It just wouldn't be -- you would never allow it.

16 MR. BROWN: Let me object to
17 responsiveness.

18 Q. Sir, as a professional industrial hygienist,
19 would you say that somebody's skin that sloughed off
20 like this from an alleged benzene exposure that only
21 occurred in a matter of minutes would be solely due to
22 the exposure to benzene?

23 MR. SCOTT: Object to the form.

24 A. Well, sure, if it's -- doses are high enough,
25 as -- as they described.

1 Q. Have you seen any other publication or
2 report --

3 A. Well, wait, wait. I'm sorry. I misunderstood
4 your question. I'm sorry. I mis- -- I misanswered it.

5 THE WITNESS: Could you read it back,
6 please?

7 (Record read.)

8 A. No.

9 Q. All right. Looking at this study in --

10 A. A one-time event, no.

11 Q. All right. Looking at this -- and that's what
12 happened in this study, correct? It was a one-time
13 exposure, correct? This is Exhibit 13, the Avis study
14 we're talking about, correct?

15 A. Yeah.

16 Q. All right. You wouldn't expect, as an
17 industrial hygienist, that that could happen if it was
18 solely benzene that the -- the person was being exposed
19 to?

20 A. On a singular event.

21 Q. Right. That is -- that material that got on
22 those workers was extremely corrosive, correct?

23 A. Oh, I see what you mean. Is it -- can it be
24 attributed solely to benzene?

25 Q. Yes, sir.

1 A. Not in three minutes, no.

2 Q. Right. And so if you'll look here, it says --

3 A. I --

4 Q. -- four crew members of -- were exposed to
5 benzene fumes that had collected in a pipe from the
6 previous cargo. Did I read that correctly?

7 A. Yes.

8 Q. We don't know what --

9 A. Oh, I see what your --

10 Q. We don't --

11 A. Your point is, if this doesn't occur in normal
12 people in 20 minutes, it couldn't appear in three
13 minutes without the addition of some caustic or acid?
14 That's probably true.

15 Q. Wouldn't you think that's likely true?

16 A. That's probably true, if it's -- if it's only
17 three minutes.

18 Q. You would not rely upon any -- this study to
19 say benzene causes these kind of deleterious corrosive
20 effects on the skin from a few minutes of exposure,
21 correct?

22 A. That's right.

23 Q. And you would criticize anybody else who
24 relied upon that, wouldn't you?

25 A. Relied upon it exclusively?

1 Q. Yes, sir.

2 A. Yeah.

3 Q. All right. In fact, when you did your study
4 of the Pliofilm workers --

5 A. Uh-huh.

6 Q. -- those workers that you interviewed worked
7 with benzene and benzene-enriched substances for hours
8 at a time, correct?

9 A. Well, no, I -- I wouldn't say hours at a time,
10 but routinely throughout the day.

11 THE WITNESS: There's a marked-up version
12 of both papers that's highlighted over there.

13 (Exhibit Number 14 marked.)

14 Q. I marked your study as Exhibit 14. I'm just
15 going to turn you to this highlighted portion.

16 It says on page 193, Pliofilm workers were
17 dermally exposed to benzene on their hands and forearms
18 for periods of time up to 1 1/2 hours; is that correct?

19 A. Yeah. But they're not -- they're not
20 immersed, see. They're -- you can have contact on the
21 skin and the volatilization rate is so high. You can
22 interact with -- with wetted materials off and on
23 during the day and not get that much systemic uptake.

24 MR. BROWN: Well, let me object to the
25 nonresponsive portions.

1 Q. I read that correctly, correct?

2 MR. SCOTT: Object to the form of the
3 question.

4 A. Yeah, I -- that's what it says.

5 Q. All right. And they were exposed without
6 wearing gloves, correct?

7 A. Right.

8 Q. And you interviewed those workers, didn't you?

9 A. I did.

10 Q. And none of those workers ever indicated to
11 you that they had problems with their skin or that
12 their skin was sloughing off or they had second-degree
13 burns or anything like that, correct?

14 A. As I said yesterday, I never asked them about
15 it. I asked them about these 40 years after they
16 occurred. And, more importantly, the vast majority of
17 this contact probably was -- a lot -- a large fraction
18 of it was volatilized. I made the assumption that some
19 fraction was absorbed, and I'm sure it was, but the
20 hazard is way different than immersion.

21 My criticism of Nicas is primarily the one
22 of long periods of time of immersion.

23 Q. All right.

24 MR. BROWN: Let me object to the
25 nonresponsive portions.

1 Q. I think I'm through with that.

2 A. You want it down here?

3 Q. You'd agree that benzene is more readily
4 absorbed through cracked skin than dry skin?

5 A. Sure.

6 Q. And you saw evidence that Mr. Thompson had
7 cracked skin?

8 A. I -- I have evidence -- no, I don't have
9 evidence; I have a claim.

10 Q. All right. What experimental or observational
11 data do you rely upon to say that benzene will blister
12 human skin on the hand and -- by using it for a period
13 of 17 minutes or so twice a day?

14 A. Repeatedly.

15 Q. What evidence do you -- experimental or
16 observational evidence do you have?

17 A. Can I -- do you want -- it's going to take me
18 a few minutes to get those articles together. Do you
19 want -- do you want me to grab them at lunchtime and
20 answer that question then?

21 Q. That would be fine.

22 THE WITNESS: Okay. So please pull all
23 the McDougal, Hanke and related -- Maibach and Anjo and
24 the others, please.

25 Q. All right. I'll tell you, in the Nolen case,

1 you referred to a study conducted on rabbit skin. Do
2 you recall that?

3 A. I don't remember -- do you know the authors?
4 I may have cited it, but I don't remember -- I do it by
5 author usually.

6 Q. Yeah. It's a study where they shaved a
7 rabbit's stomach and they put benzene on the stomach of
8 a rabbit for four hours.

9 A. Okay.

10 Q. Now, you agree that animal skin is different
11 from human skin, correct?

12 A. Yes, sir.

13 Q. Even in the -- in your Pliofilm study, you --
14 the rats absorbed benzene twice as fast as humans?

15 A. Yes.

16 Q. Do you know what the absorption rate is for
17 rabbits?

18 A. I think it's the same, if not a little higher.

19 Q. All right. Four hours on a rabbit's shaved
20 stomach is not the same as 15 minutes on a human hand;
21 you'd agree with that?

22 A. Sure. Did I not report vesicles in that
23 study? I don't know. We'll find out.

24 Q. You mentioned the Hanke study. I pulled a
25 copy out of what we had over there. And I can't

1 remember what exhibit it was. You -- you had attached
2 it to one of the notebooks. But this copy that I have
3 here is out of the notebooks from the -- the Nolen
4 deposition yesterday, and if it's okay, I'll mark this
5 as Exhibit 15 to your deposition. Is that okay?

6 A. It is. Did you make a copy and put it back in
7 the other?

8 Q. I did not make a copy. I don't have any way
9 to make a copy.

10 MR. BROWN: Bob, this is the -- this is
11 the copy from the --

12 THE WITNESS: Well, we have to do that
13 because, otherwise, you have broken the law for
14 tampering with evidence, and we don't want that.

15 MR. BROWN: Do you want to make a --

16 MR. SCOTT: You've got to keep the
17 exhibits right.

18 THE WITNESS: Yeah, you've got to keep
19 the --

20 MR. SCOTT: You can't be taking things out
21 of the Nolen exhibits.

22 MR. BROWN: Well, we won't -- well, I did.
23 I mean, it was loose. I want to use it. And this -- I
24 can bring the whole notebook over here.

25 MR. SCOTT: Well, I don't -- I don't

1 care -- let's make it easy, but we can't -- we can't
2 take things out of the Nolen record.

3 MR. BROWN: All right. It's -- I'm not
4 taking it out of it.

5 MR. SCOTT: Okay.

6 Q. I was going to mark it separately for this
7 record, if you want to talk about it. This is from --
8 you attached that separately to one of your notebooks
9 yesterday. Do you recall that?

10 A. In order to be helpful to you, yes.

11 Q. All right. This is the Hanke study, correct?

12 A. Uh-huh.

13 Q. In this study, there were human specimens who
14 put benzene on some kind of rag or cotton thing and --
15 and kept it on their skin for up to one hour and 25
16 minutes, correct?

17 A. Right.

18 Q. Do you see any evidence that during their
19 entire time, their skin started sloughing off or
20 started blistering up or getting second-degree burns?

21 A. I don't remember them talking about doing
22 it -- giving a visual observation of the skin. Do you?
23 I haven't looked at it for a while.

24 Q. I've just heard it referred to as a support
25 for the argument that a person can't physically

1 tolerate exposure to benzene, like Mr. Thompson says he
2 did, for 17 minutes or so when these people tolerated
3 it for an hour and 25 minutes, and some even longer,
4 without any reports of damage to their skin, correct?

5 MR. SCOTT: Object to the form.

6 A. I -- they didn't talk about what they visually
7 saw in the way of damage to the skin. It's not --
8 they're silent on it.

9 Q. Well, let me just ask it this way: Using your
10 common sense, do you think that if these people started
11 having second-degree burns after 15 or 20 minutes of
12 benzene exposure and their skin started sloughing off,
13 they would have continued with the study for up to an
14 hour and a half?

15 MR. SCOTT: Object to the form.

16 A. I'm not -- I'm not going to continue to take
17 issue with what I have said versus what you just said.
18 I -- I just re- -- said within the last half-hour that
19 I did not expect sloughing of the skin and serious
20 acute damage in 20 minutes, okay?

21 But I do believe that if he did it as
22 described in Nicas' estimates, you would get
23 significant damage to the skin.

24 We don't know from here what the -- what
25 the visual effects of an hour and a half are because

1 they don't talk about it, at least in this paper.

2 Q. All right. Fair enough.

3 A. That's all I can tell you.

4 Q. You would agree with me that it's not likely
5 that a human subject who is experiencing severe damage
6 to their skin, second-degree burns, blistering --

7 A. Not second-degree burns, right. They normally
8 are --

9 Q. Blistering?

10 A. Oh, yeah. Oh, yeah, there's -- there's
11 numerous human studies --

12 Q. Please --

13 A. -- where blistering occurs in these dermal
14 studies.

15 MR. BROWN: I need to object to
16 responsiveness.

17 A. Okay.

18 Q. Please let me finish my question --

19 A. I'm sorry.

20 Q. -- and let's see if we can move along.

21 A. Yeah.

22 Q. You would agree with me, sir, that the human
23 subjects in the Hanke study that's there before you
24 would not likely continue with an hour and a half worth
25 of benzene exposure to their skin if they were

1 experiencing blistering of their skin or second-degree
2 burns or major skin damage after only 15 to 20 minutes?

3 MR. SCOTT: Object to form.

4 Q. Correct?

5 A. I doubt that there was sloughing of the skin.
6 I can't rule out blistering, and I can't rule out
7 pathologically measurable damage to the skin.

8 Q. You can't rule it out, but you can't rule it
9 in, because you don't know?

10 A. I -- because I don't know.

11 Q. But -- and my question is, I know it's not
12 mentioned in there, but you wouldn't think that a
13 person who is experiencing blistering of his skin would
14 continue on for another hour after he -- he started
15 experiencing that, correct?

16 MR. SCOTT: Object to the form.

17 A. I've said all I can on it.

18 Q. All right. All right. Yesterday, you were
19 shown a -- what's called a motion to designate
20 responsible third parties, and it was in the Nolen
21 case, correct? Do you remember that?

22 A. Yes, sir.

23 Q. I'll represent to you the same motion was
24 filed in the Thompson case with regard to the -- the
25 places where Mr. Thompson worked and also to add his

1 employers.

2 Were you aware of that?

3 A. I don't believe so.

4 Q. Sir, in your opinion, was there anything that
5 the DuPont Sabine River Works facility did or failed to
6 do that resulted in -- or was a substantial factor in
7 Mr. Thompson's development of acute myelogenous
8 leukemia?

9 MR. SCOTT: Object to the form.

10 A. I don't know.

11 Q. All right.

12 A. I don't have evidence to know that because
13 there's so many questions about benzene's availability
14 and its presence at the site in light of what I know
15 about the DuPont understanding of the hazards of
16 benzene and -- and my own experience about allowing
17 contractors to have access to drums of benzene -- or
18 any -- you know, anything. Contractors don't have
19 access, normally, to the raw materials on a plant site
20 without the plant site being aware of it.

21 MR. BROWN: Let me object to the
22 responsiveness of the answer.

23 Q. Sir, as -- as you sit here, what I hear you
24 telling me is, you don't know if there was anything
25 that DuPont Sabine River Works did or failed to do that

1 resulted in Mr. Thompson developing acute myelogenous
2 leukemia. Is that accurate?

3 MR. SCOTT: Object to the form.

4 A. Did or failed to -- I cannot -- I don't have
5 information to blame DuPont for his disease.

6 Q. All right. Is there anything that the Texas
7 US Chemical Company did or failed to do that resulted
8 in Mr. Thompson developing AML?

9 MR. SCOTT: Object to the form.

10 A. I -- I don't know, based on what I have today,
11 one way or the other, other than what I've told you and
12 we can infer based on the record.

13 And don't forget, this whole -- this whole
14 line of questioning doesn't make any sense because I've
15 already told you physicians and -- and specialists in
16 this area don't think his disease is caused by benzene
17 anyway.

18 So this whole thing becomes all garbled up
19 to the jury, as you're well aware, if we keep on with
20 this line of questioning.

21 MR. BROWN: Let me object to
22 responsiveness.

23 Q. Sir, let me ask it one more time. Let me ask
24 it this way: Can you cite to me --

25 A. I mean, I can -- if you're going to do that,

1 I'm -- you know, I -- I'm going to every time start
2 saying that this disease is not related to benzene.

3 I'm trying to do an expo- -- answer your
4 exposure questions. If you link it in with disease,
5 which is what you're doing, we're going to go down a
6 path that we agreed not to go down.

7 MR. BROWN: Let me object to --

8 A. I mean, I'm not being hostile. I'm just
9 trying to be -- you know, what's good for the goose is
10 good for the gander.

11 Q. I can't -- I can't tell you how to answer the
12 questions; I can just ask the questions.

13 A. Well, I mean --

14 MR. SCOTT: You have repeatedly told him
15 that.

16 MR. BROWN: Let me object to sidebar.

17 MR. SCOTT: You shouldn't, but you can.

18 Q. Sir, can you cite to me one thing that Texas
19 US Chemical did or failed to do that resulted in
20 Mr. Thompson developing acute myelogenous leukemia or
21 was a substantial factor in him developing that
22 disease?

23 MR. SCOTT: Object to the form of the
24 question, asked and answered.

25 A. Mr. Brown, I hardly know how to deal with both

1 the DuPont question and this question. Because on one
2 hand, you want me to almost agree that they provide
3 benzene drums willy-nilly to contractors who can take
4 five-gallon buckets of it, clean their tools, immerse
5 their hands, be exposed to 1500 parts per million,
6 according to Nicas, okay, and then by the next token
7 say, I don't have any evidence that they behaved badly.

8 How can -- how can I assume both to be
9 true? If I take your assumption that that's actually
10 what happened and DuPont condoned it and it happened as
11 Nicas said, I would have to say they made a big mistake
12 and possibility contributed to his disease, if you
13 believe it is a benzene-induced disease.

14 And if you want me to assume that same
15 thing at this other location, then I have to say
16 something about their behavior and say, yeah, they were
17 negligent and maybe contributed to his disease, if you
18 believe it's benzene-induced.

19 You can't have it both ways, so where do
20 you want to go with it?

21 MR. BROWN: Let me --

22 A. They're either --

23 MR. BROWN: -- object to the
24 responsiveness.

25 A. They're either doing a lot of really bad

1 things and, therefore, contributed to his disease, or
2 they didn't do it and they didn't contribute to his
3 disease. And that's what I'm going to tell the jury,
4 and I've just told them.

5 Q. My question was, show me -- tell me
6 anything -- any -- any one thing or a list of things --

7 A. I just told you. I just listed five things
8 that they must have been -- if I assume that you're --
9 that the plaintiff is accurate and Mr. -- and
10 Dr. Nicas' estimates are accurate, then, of course,
11 both of those facilities failed in their duties and
12 they must have contributed to his disease, if you also
13 want me to stipulate that the AML is caused by -- by
14 benzene.

15 MR. BROWN: Objection, responsiveness.

16 A. I think it's totally responsive. I don't
17 think there's anything I can add to that answer.

18 Q. Okay. Can you show me or tell me anything
19 that Texas US Chemical did or failed to do that
20 resulted in Mr. Thompson's development of AML?

21 MR. SCOTT: Objection --

22 A. Okay. I tell you what.

23 MR. SCOTT: Objection, form, asked and
24 answered.

25 A. I don't know --

1 MR. SCOTT: It's becoming abusive.

2 A. I don't know the rules in Texas, but I'm not
3 going to answer that question for the fourth time.

4 Q. All right.

5 A. That's --

6 Q. That's the best you can --

7 A. I don't know the rules.

8 Q. Okay. Can you tell me anything that B&B
9 Insulators did or failed to do that caused Mr. Thompson
10 to develop AML --

11 MR. SCOTT: The same objection.

12 Q. -- or was a contributing factor in his
13 development of AML?

14 MR. SCOTT: The same objection. And the
15 objection is form.

16 A. If B&B failed in their responsibilities to
17 protect him and educate him about the hazards of the
18 sites in which he was working and he was exposed in the
19 manner that Nicas and Thompson and you want me to
20 assume he was exposed, then they, too, would have
21 failed in their responsibilities to protect him from
22 potential disease.

23 Q. And do you say that that caused his disease?

24 MR. SCOTT: Objection, form, asked and
25 answered.

1 A. Well, I've already said his disease isn't
2 related to benzene. But if you want me to assume that
3 it's a benzene-induced AML, which is what you're
4 telling me to do, then by definition, they -- they
5 failed in their responsibilities to protect him.

6 Q. All right. It's your position that
7 Mr. Thompson's disease is not related to benzene
8 exposure; is that correct?

9 A. That's because I've deferred to specialists in
10 that area because I've not been offered for causation.
11 But you keep on dragging me into causation.

12 Q. So there's nothing that any of these other
13 companies or entities did or failed to do that caused
14 his illness because it's your position his illness is
15 not caused by exposure to benzene, correct?

16 MR. SCOTT: Object to form.

17 A. What have we been doing the last three or four
18 hours here? I -- I mean, my testimony is very, very
19 clear.

20 Q. I'm correct about that, am I -- aren't I?

21 A. I don't know.

22 THE WITNESS: Can I hear it from the court
23 reporter?

24 Q. Yes, sir. If your position is, as you've
25 stated, that you don't believe Mr. Thompson's disease

1 is caused by his exposures to benzene, then there's
2 nothing that his employers or any of these premises
3 owners did or failed to do that caused his illness,
4 correct?

5 MR. SCOTT: Object to form, asked and
6 answered.

7 A. If it's not due to benzene, and that's the
8 agent that they had -- and there may be some -- we
9 haven't talked about potential other agents that were
10 there that may have caused his disease.

11 But, yeah, my position would be if he
12 doesn't have a benzene-induced AML, they didn't do
13 anything to cause his disease; that's right.

14 Q. All right. And there's nothing else that you
15 saw as risk factors, known accepted risk factors, that
16 Mr. Thompson had in his life to cause AML, besides
17 benzene exposure, correct?

18 MR. SCOTT: Object to form.

19 A. Oh, no, because now you're assuming that
20 there's -- that most AMLs are due to benzene, and
21 they're not.

22 Q. I'm not assuming that. I'm saying, what other
23 risk factors, besides exposure to benzene, were you
24 aware of in Mr. Thompson's past that could have
25 contributed to his AML?

1 A. We went down the causation road. Now you're
2 going to have me do a medical alternative causation
3 analysis.

4 Q. Well, you're a toxicologist.

5 A. I can do it.

6 Q. I'm asking you, sir --

7 A. I can do it, but I thought you guys both
8 agreed I wasn't going to be doing that today.

9 Q. I'm just --

10 MR. SCOTT: I'm about to change my mind.

11 THE WITNESS: Okay.

12 MR. SCOTT: If that's all we're going to
13 talk about, then I'm about to change my mind.

14 THE WITNESS: I think we're getting --

15 MR. SCOTT: They're getting a full
16 discovery on --

17 THE WITNESS: We're getting -- we're
18 getting very close to opening the door to stuff that --

19 MR. SCOTT: Let's go back on the record
20 and let Darren catch his plane and --

21 THE WITNESS: Are we still on?

22 MR. SCOTT: Yes.

23 THE WITNESS: Okay. We're still on.

24 MR. BROWN: Let's --

25 THE REPORTER: I was still on the record.

1 MR. SCOTT: We were.

2 MR. BROWN: We've been on the record. She
3 needs to change her tape, so let's do that again.

4 MR. SCOTT: Let's take a break.

5 THE VIDEOGRAPHER: The time is 11:43
6 (sic). We are going off the record. This is the end
7 of tape 4.

8 (Recess 12:43-1:00 p.m.)

9 THE VIDEOGRAPHER: The time is 1:00. We
10 are back on the record. This is the beginning of tape
11 5.

12 Q. Let me see if I can shorten up our last
13 discussion on responsible third parties.

14 Would your answer be the same, sir, if I
15 asked it for each one of these people where
16 Mr. Thompson worked on their premises or worked as an
17 employee?

18 A. No, the answer wouldn't be the same.

19 Q. Who -- what answer -- what entity would it be
20 different for?

21 A. Well, when he's out in the oil fields, he -- I
22 wouldn't expect that, even if they gave him access to
23 pure benzene based on -- well, they didn't give him
24 access -- for the condensate, for example, I wouldn't
25 call them irresponsible for letting him be exposed --

1 letting his skin be exposed to condensate, so it
2 wouldn't be the same answer.

3 But as I -- for an entity that -- well,
4 Nicas only looks at those two entities. But for any
5 other entity that would produce that kind of careless
6 disregard that we said to assume, then they would be.

7 But for those others, they're not
8 equally -- they're not equally liable for negligence,
9 if you will, or bad conduct because the situations were
10 different.

11 Q. You're talking about the oil fields in the
12 '50s --

13 A. Yeah.

14 Q. -- and 1960 time period?

15 A. Yeah.

16 Q. All right. Any other difference, except for
17 the oil fields in the 1950s and the '60 time period,
18 that you can think of?

19 A. You know, I think you and I have covered
20 everything.

21 Q. Okay.

22 A. Now, there is one thing we should clear up,
23 just so you and I -- and you happen to be right, but I
24 wanted to confirm that you were right.

25 There isn't -- there is no place where he

1 talks about in his deposition immersing his hands for
2 20 minutes or 17 minutes. However, Mark Nicas, on
3 December 4th, 2009, did testify to this line of
4 questioning:

5 QUESTION: Now, is it your understanding
6 that for 17 1/2 minutes, on average, Mr. Thompson had
7 his hands immersed in pure benzene?

8 ANSWER: That's what he said.

9 So that's why he -- he made that
10 assumption in his calculations, and that's what the
11 basis is for my view that it's extraordinary and
12 probably not true.

13 MR. BROWN: Let me object to
14 responsiveness.

15 Q. All right. Yesterday we talked about Texaco,
16 and the documents which -- the 1953 letter from
17 Dr. Kuhn to Dr. Newquist.

18 Do you remember that?

19 A. Yes, sir, I do. Are you going to make it an
20 exhibit?

21 Q. Well, I was, but I didn't know if he was --
22 what number he was on, so -- I didn't -- I didn't want
23 to ruin his numbering system.

24 THE WITNESS: Okay. We're up to 18.

25 (Exhibit Number 18 marked.)

1 THE WITNESS: So Exhibit 18 is the letter
2 from Kuhn to Newquist.

3 Q. All right. Exhibit 18 shows that Texaco, at
4 least as early as 1953, had it reported to them that
5 benzene had the potential to cause leukemia, correct?

6 A. That was the impression.

7 Q. All right.

8 MR. BROWN: The next exhibit, please.

9 (Exhibit Number 19 marked.)

10 Q. And what exhibit are we on, Dr. Paustenbach?

11 A. 19.

12 Q. Exhibit 19 is a letter from Alan Dooley
13 responding back to Dr. Kuhn regarding the physiological
14 properties of benzene and how long it had been known
15 that benzene was known to cause latent blood disease,
16 including reporting that the API give -- gave a good
17 summary of the toxic effects of benzene, and reports of
18 that document or copies of that API were on file at the
19 Port Arthur library for Texaco, correct?

20 A. There were three questions in there, so let me
21 answer them.

22 Q. I just --

23 A. One, he does mention that there are delayed
24 effects on bone marrow, report as early as '39.

25 Two, he does talk about the possibility

1 for chronic poisoning.

2 And then, three, he does mention the API
3 report.

4 Q. Being on file in Port Arthur?

5 A. Yes, sir.

6 Q. Okay. Sir, do you agree that Texaco was a
7 knowledgeable and sophisticated user of benzene during
8 the time period that Mr. Thompson would have worked at
9 the Texas US Chemical facility in Port Neches?

10 A. I'd have to say that.

11 Q. All right. And by knowledgeable and
12 sophisticated regarding the use of benzene, Texaco had
13 knowledge of the health hazards of benzene during the
14 time period that Mr. Thompson worked there, correct?

15 A. Yes, sir.

16 Q. They had knowledge and know-how to protect and
17 warn workers on their premises of the dangerous and
18 deadly health hazards of benzene, correct?

19 MR. SCOTT: Object to form.

20 A. Well, now, we covered this yesterday. They
21 may have had knowledge, but they don't have the
22 responsibility to train contractors and often are not
23 allowed to train the contractors, depending on the
24 contract.

25 So we wouldn't want to assume that they

1 were negligent if they weren't allowed to talk to them.

2 MR. BROWN: Well, let me object to
3 responsiveness.

4 Q. Sir, is it your position that Texaco, as a
5 knowledgeable and sophisticated user, had no obligation
6 to warn contractors on its premises of the deadly
7 health effects of chemicals on their premises?

8 MR. SCOTT: Object to form.

9 A. The primary responsibility for contractors,
10 when they go to a site like this, is the employer of
11 the contractor.

12 Unless there's a hazard that would be
13 likely to be unknown to those contractors, that only
14 the company would know about, then the responsibility
15 changes. So in -- we would have to know what the --
16 what the -- the outside contractor or union knew.

17 Quite often, in the companies even in that
18 time frame, in the contract, it talks about the fact
19 that when you come on site, you're going to be exposed,
20 potentially exposed, or working in the vicinity of
21 areas where chemicals are present in the air, and you
22 should be aware of these hazards, but they -- they --
23 that's the extent of it.

24 So it really depends on the site and
25 whether or not a contractor or union person in their --

1 as professionals in their field would be aware of the
2 hazard. For instance, we know later on that insulators
3 were trained about the hazards of asbestos.

4 MR. BROWN: Well, let me object,
5 responsiveness.

6 Q. You're not a lawyer; you don't have any legal
7 training under Texas law, correct?

8 A. I don't have legal training under Texas law,
9 but I have written on this subject, and it may have
10 even appeared in a law journal.

11 Q. All right. If the law in Texas is when a
12 premise owner has actual knowledge of a deadly health
13 hazard on their premises, they have an obligation to
14 warn those who are invited upon those premises, Texaco
15 should have been warning about the known health
16 hazards, the deadly health hazards, of benzene to
17 contractors who came on their premises at Texas
18 US Chemical during the period that Mr. Thompson was
19 working there, correct?

20 MR. SCOTT: Object to form.

21 A. We're confusing things. You're calling
22 something potentially hazardous and deadly at some dose
23 versus that which would be expected at the site.

24 If, for example -- a good example of what
25 you just said is, if you have a room -- and many of

1 these facilities have excessive concentrations of CO,
2 they have a big warning sign that says do not enter.
3 Sometimes it's a locked door. And -- and they
4 certainly have an obligation to tell the contractors
5 that.

6 But the very fact that benzene's present,
7 let's say at 1 part per million in air, they don't have
8 an obligation to share that with the contractor
9 specifically because it's not an imminently dangerous
10 concentration, and the contractor generally will have
11 had enough knowledge through his employer and should
12 have had enough knowledge through his employer to know
13 about that hazard, if it was substantial.

14 So we don't want to mix the potential of a
15 chemical at some dose to constitute a deadly hazard
16 just because it's there. Very different in -- I could
17 go on and on -- by then -- and it's an expert opinion,
18 by the way --

19 MR. BROWN: Let me object to
20 responsiveness.

21 A. -- because we've crossed over. You're getting
22 expert opinions from me in other areas now.

23 MR. BROWN: Let me object to
24 responsiveness.

25 A. Okay.

1 Q. Sir, did Texaco, as a knowledgeable and
2 sophisticated user, owe Mr. Thompson a duty to warn
3 him about the health hazards of benzene --

4 Sir, did Texas have a duty as a -- strike
5 that.

6 Did Texaco have a responsibility, as a
7 knowledgeable and sophisticated user of benzene, to
8 warn Mr. Thompson about the health hazards of benzene
9 while he was on their premises?

10 A. As you just said, if you're asking for a legal
11 opinion, I -- I can't give it. Based on my knowledge
12 of this area, that is, the likely airborne
13 concentrations of benzene and the responsibility --
14 primary responsibility of the employer, they would not
15 have that obligation to train him or make him aware of
16 benzene if the concentrations were not hazardous, and
17 certainly not if they weren't above the regulatory
18 guideline.

19 Q. If -- if Texaco or DuPont had knowledge that
20 contract workers like Mr. Thompson was using benzene
21 from 55-gallon drums while on their premises as a
22 cleaner, did they have an obligation to warn those
23 contractors about the known health hazards of benzene
24 and warn them not to use it as a cleaner?

25 A. Yes, sir.

1 Q. All right. And if they didn't warn those
2 contractors, do you think that that is -- that they
3 failed in their responsibilities as a -- as an ethical
4 company?

5 MR. SCOTT: Object to the form.

6 A. If they bought the benzene, those two
7 companies at that time frame bought the benzene,
8 provided it to the contractor, and told them to clean
9 their tools and their hands with it, that wouldn't be
10 proper.

11 Q. What if they didn't provide it to them and
12 told them to do it, what if they just allowed them to
13 do it?

14 A. It would depend if they really allowed it.
15 It's still the primary responsibility of the employer
16 of those contractors to have prevented that behavior.
17 That's the primary, clear, in my opinion, legal and
18 scientific responsibility. It's a historical
19 responsibility in our profession.

20 MR. BROWN: Okay. All right. Let me
21 object to responsiveness.

22 Q. With regard to latency for benzene-induced --

23 A. They could have done it, by the way. I'm not
24 denying they couldn't have done that. Based on what I
25 know of them, they wouldn't have allowed it to happen,

1 and if they knew it was happening, they should have
2 told them not to do it. But still the primary
3 responsibility is that of the direct employer.

4 MR. BROWN: The same objection.

5 THE WITNESS: Okay.

6 Q. With regard to the issue of latency, do you
7 have any opinions as to what the latency period between
8 exposure to benzene and development of AML is?

9 A. Okay. We're -- we're going -- we're back to
10 expert opinions of causation. Yes, I have an opinion.
11 I think I shared it with you last time.

12 The weight of evidence generally is in
13 that nine- to 15-year range. That's where the bulk of
14 these latency estimates tengerally (sic) -- generally
15 reside, as Dr. Irons, I think, testified.

16 Q. Is it your testimony that if the latency
17 period is outside of that range you just described,
18 then it is impossible to conclude within reasonable
19 scientific probability that an exposure resulted in the
20 disease?

21 MR. SCOTT: Object to form.

22 A. No.

23 Q. All right.

24 A. I -- I agree that there are cases that have
25 been reported outside that window, and it can happen.

1 Q. Do you know what the latency period is for --
2 between exposure to benzene and development of AML of
3 the M4 variant?

4 MR. SCOTT: Object to the form.

5 A. No. And you're -- you're presuming there's a
6 causal link, right?

7 Q. I'm just asking you if you -- do you know?

8 A. I don't know.

9 MR. SCOTT: Object to form.

10 Q. All right. Let's look at your documents.
11 Dallas has got -- why don't we start out with the
12 folders and identify each one of those.

13 All right. It's my understanding that
14 what we've got here in front of you are documents that
15 you've brought with you to your deposition that -- in
16 response to the subpoena duces tecum; is that correct?

17 A. I guess that's fair.

18 Q. Along with --

19 A. And many of these folders we've already made
20 exhibits.

21 Q. Yes, sir.

22 And along with the reliance documents and
23 the DuPont documents, and I think there was another
24 one -- document down here which we --

25 A. There's one on calculations somewhere and --

1 here it is.

2 Q. Yeah, but it was a black one. What's that one
3 you've got over there?

4 A. That's case materials. That's documents I
5 received.

6 Q. Yeah. Okay.

7 MR. BROWN: Where was the notebook,
8 Dallas, that was right here?

9 THE WITNESS: No. It was an exhibit,
10 Darren; is that right?

11 MR. BROWN: No, it wasn't. We were going
12 to mark it as an exhibit. It wasn't a reliance
13 document. It was what you called the -- I can't
14 imagine -- it was just sitting right there before we
15 left.

16 THE WITNESS: You don't think it's any of
17 these, huh?

18 MR. BROWN: No. No, it was in addition.

19 THE WITNESS: Do you want to take a minute
20 and find it?

21 MR. SCOTT: Yeah, why don't we get off the
22 video.

23 THE WITNESS: We have a -- we have a
24 missing binder.

25 THE VIDEOGRAPHER: The time is 1:16. We

1 are going off the record.

2 (Recess 1:16-1:19 p.m.)

3 THE VIDEOGRAPHER: The time is 1:19. We
4 are back on the record.

5 Q. All right. Dr. Paustenbach, I would like to
6 go through the documents that you've brought here with
7 you to your deposition today in response to the
8 subpoena duces tecum as well as the -- any other
9 documents you brought that you rely upon.

10 You've seen the subpoena duces tecum in
11 this case, correct?

12 A. Yes.

13 Q. Have you brought with you all the documents
14 that are responsive to that -- to that subpoena duces
15 tecum?

16 A. To the best of my knowledge.

17 Q. Right. You've got a stack of folders here.
18 Let's just go through those one at a time, and -- some
19 of them already have been marked, but at least we'll
20 have a record of what was here.

21 A. Okay. This one is called DuPont Knowledge and
22 Control of Benzene and Hazards: Key Documents. And
23 these are documents I received from counsel that has a
24 number of policies and work practices in it.

25 Q. All right. I don't know that we have that one

1 marked. Let's mark that set of documents as the
2 next -- what's the next exhibit?

3 A. That will be Exhibit 20.

4 (Exhibit Number 20 marked.)

5 Q. All right. How have you relied upon
6 Exhibit 20 in your preparation of your opinions today?

7 A. It -- it reminded me or reinforced my views
8 that DuPont, even in the '40s, '50s, and '60s, had been
9 fairly careful about setting up its safety programs,
10 and a little bit on their training and industrial
11 hygiene programs.

12 Q. All right. You're talking about DuPont
13 corporate or you're talking about DuPont Sabine River
14 Works?

15 A. It's a -- it's a combination of both.

16 Q. All right. You saw the Jimerson deposition,
17 correct?

18 A. I did.

19 Q. You saw where he testified that he got a
20 warning in 1964 about the hazards of benzene, and that
21 one of the portions of the warning said, you don't need
22 to be -- worry about it because there's no permanent
23 effect. Do you recall that part of his warning?

24 MR. SCOTT: Objection, form.

25 A. I don't remember that. I have a summary, but

1 I don't remember that.

2 Q. If, in 1964, DuPont corporate was warning
3 DuPont Sabine River Works management not to worry about
4 benzene because there was no permanent effect, that
5 would have been an inappropriate warning, correct?

6 MR. SCOTT: Object to the form.

7 A. If -- if he said that, that would have been
8 inappropriate.

9 Q. All right.

10 A. If he said it just like that.

11 Q. And if that was the knowledge of the people at
12 DuPont Sabine River Works, that wouldn't be a -- a
13 well-appreciated risk of the hazards of benzene,
14 correct?

15 MR. SCOTT: Object to the form.

16 A. Yeah, but that's inconsistent with the
17 documents I have from Sabine River. The two don't come
18 together. But if -- if his was the predominant view,
19 that would be wrong.

20 Q. All right. It would not be a --
21 well-understood knowledge of the risks of benzene if
22 you thought, after you get exposed and get dizzy from
23 benzene, you don't have to worry because there's no
24 permanent effect?

25 MR. SCOTT: Object to form.

1 A. That wouldn't be appropriate.

2 Q. All right. That wouldn't indicate a
3 knowledgeable or sophisticated user, would it?

4 MR. SCOTT: Object to form.

5 A. If that was the predominant thought at the
6 facility because of that communication, that wouldn't
7 reflect a knowledgeable user.

8 Q. All right. What's your next document that
9 you've got there?

10 A. The Nicas critical review, which we've --

11 Q. Marked?

12 A. -- made an exhibit.

13 Q. All right. What's that under -- underneath
14 there? Ken --

15 A. No, that was the DuPont.

16 Q. That was the key document. Okay.

17 A. I'm going to go from here to there.

18 Q. There you go.

19 A. This is the Frank Parker summary of -- I think
20 this is his -- a summary of his report, and I don't
21 recall if we've marked it.

22 MR. BROWN: All right. Let's mark that as
23 the next one.

24 (Exhibit Number 21 marked.)

25 Q. What criticisms did you have of Frank Parker's

1 opinions? Did you prepare -- prepare a list of those
2 like you did for Nicas?

3 A. I don't know, but I've got a lot of
4 criticisms. Do you want me to give them to you?

5 Q. You've got a lot of criticisms of Mr. Parker's
6 opinions in this case?

7 A. I'm afraid I do.

8 Q. Are they listed there on that document in
9 front of you?

10 A. This is just a summary. I'll have -- it won't
11 take me long.

12 Q. Well, let's go through them, then.

13 A. Let's -- let's mark that as an exhibit, and
14 I'll work off the clean copy.

15 THE WITNESS: Wait. Hold on.

16 (Off the record.)

17 THE WITNESS: That's Exhibit 21.

18 A. I don't think he's right about his claim that
19 most companies in the late 1970s didn't care about
20 TLVs. That's not factually accurate. I agree with him
21 that they were mostly concerned about carcinogens, but
22 they cared about all the TLVs.

23 I agree with him that the first party
24 that's responsible for ensuring the safety of Thompson
25 was -- is the primary employer.

1 I don't agree with him that DuPont was
2 obligated to warn employees about hazards unless they
3 were significant. I don't know what -- how -- he never
4 defines "significant."

5 He -- he says that there's benzene in the
6 distillate of unknown magnitude, and then says it can
7 be as high as 30 percent. I don't believe there's --
8 that's true. I don't know where he got that.

9 Q. What basis do you have to dispute that
10 testimony?

11 A. Because of work I've done in the past. I
12 don't -- crude oil never contains 30 percent benzene.

13 Q. He's not talking about crude oil.

14 A. He's talking about -- well, he's -- both --
15 this is interesting. Well, we're in the oil field, and
16 he says he explained that the crude oil distillate may
17 have contained benzene. He testified that benzene and
18 crude oil distillate range from virtually none to
19 30 percent, but that has nothing to do with the oil
20 fields.

21 Anyway, it's true: It can be fairly high
22 if it's in the distillate, but it's -- that shouldn't
23 be in the oil fields unless they're using it for some
24 reason that I'm -- I'm not aware of.

25 I agree with him that Haskell Labs knows

1 quite a bit and knew quite a bit about benzene and
2 industrial hygiene.

3 I agree with him that the company, back in
4 the early years, was doing urinary phenol and urine
5 sulfate ratio testing and white blood cell testing.
6 That would be a sophisticated user.

7 I agree with him that through the '50s,
8 '60s and '70s, somebody in DuPont understood the
9 hazards of benzene.

10 I agree with him that I haven't seen firm
11 documentation that -- that McKesson -- although I'd
12 expect they would -- had lots and lots of knowledge of
13 benzene, but I think they probably did for those people
14 that worked with benzene.

15 I don't have as many problems with him
16 here as I did in Nolen.

17 MR. BROWN: Let me object to the
18 nonresponsive portions.

19 Q. What have you got next?

20 A. We have Frank Gardner's summary.

21 Q. Did we mark his summary right there?

22 A. We did.

23 Q. Okay.

24 A. The next one is the summary of Gardner's
25 either report or his deposition.

1 This would be -- do you want to make it
2 22?

3 Q. Well, no. What I want -- if you -- have
4 you --

5 A. I don't have any -- I've read it. I don't
6 have much to say about it.

7 Q. You're not a medical doctor, a hematologist?
8 Have you been asked to critique Dr. Gardner's opinions
9 in this case?

10 A. To the extent he starts getting into my
11 areas -- which he did. So my criticisms would be his
12 misrepresentation of my studies.

13 For instance, Gardner says, in one of
14 Paustenbach's studies, he contends they put their arms
15 up to the elbow in benzene, and they were doing this
16 daily. That's not what I said.

17 A. I'm not going to criticize other aspects of
18 it.

19 Q. All right.

20 A. Gardner does say that the condensate has no
21 more than 5 percent benzene, but I don't think that
22 it's that high.

23 Okay. Do you want to mark this?

24 Q. No.

25 A. No? Okay.

1 The next thing I have here is the Notice
2 of Deposition, which I -- I think we've marked.

3 Q. No, we haven't. Let's mark the notice and
4 subpoena.

5 (Exhibit Number 22 marked.)

6 Q. That's Exhibit 22. And you told us that you
7 brought the documents that were responsive to Exhibit
8 22, to the best of your knowledge?

9 A. I think -- yeah.

10 Q. Okay.

11 A. And then we marked this yesterday, but -- I
12 don't know about today, which is the reliance
13 documents.

14 Q. This is your list of reliance documents in the
15 Thompson case?

16 A. Yes, sir.

17 MR. BROWN: Let's mark this as 23.

18 (Exhibit Number 23 marked.)

19 A. And this is the Gene Thompson affidavit.

20 Q. All right. You had not -- you have not had a
21 chance to read over the Gene Thompson affidavit?

22 A. I haven't.

23 Q. All right. So you don't know if you can
24 criticize or anything like that; that would be a fair
25 statement?

1 A. I could read it right now, but I'm not
2 prepared to without reading it.

3 Q. Well, let's -- let's move on.

4 A. This is the Wellen deposition summary, and we
5 marked it yesterday.

6 Q. Wellen deposition summary from what -- what
7 case; do you remember? You said you looked at his
8 deposition from two cases. Do you remember which ones
9 they were?

10 A. No, I don't. This -- this looks like this
11 might be from a September 29, 2009 --

12 Q. Okay.

13 A. -- deposition.

14 Q. That's the deposition in this case?

15 A. I think it is.

16 Q. Let's just -- is this what you've relied upon
17 for your understanding of Harry Wellen's testimony?

18 A. Yes.

19 MR. BROWN: Mark that as Exhibit --

20 A. Primarily. I can't remember -- I don't have
21 any summary of the other one I read.

22 (Exhibit Number 24 marked.)

23 Q. Okay. What's your next document?

24 A. This is the Jimerson -- summary of the
25 deposition of Ray Jimerson.

1 MR. BROWN: All right. Let's mark that as
2 Exhibit -- are you running out of stickers?

3 THE WITNESS: Is that -- it's Number 24;
4 is that right?

5 MR. BROWN: Yes -- 25.

6 (Exhibit Number 25 marked.)

7 THE WITNESS: 25.

8 MR. BROWN: You've got a 24 down there on
9 the ground.

10 | Q. All right. What's your next document?

11 A. The next document is items received -- I don't
12 remember if we marked this or not. I think we did, but
13 I'm not sure.

14 Q. I think we did.

15 A. It's like 1, 2, or 3.

16 MR. BROWN: It's not 1. It might be 2 or
17 3.

18 A. It's not. We've got it. It's Number 4.

19 | Q. Okay.

20 A. Okay. We've marked that.

21 We've marked, I believe, the Clayton
22 summary.

23 Q. We've marked that.

24 A. We've marked the case summary.

25 You didn't want to mark my CV, right?

1 Q. Well, just -- you know, let's just go ahead
2 and do it. I've already got it -- I mean, we did it
3 yesterday. It was Exhibit 11 yesterday. What is --
4 what's that number? 26 today.

5 (Exhibit Number 26 marked.)

6 A. We've marked the opinions, I believe.

7 Q. Yes, those are marked.

8 A. And we've marked the invoice.

9 Q. All right. Okay. That takes care of your
10 manila folders.

11 Let's go to your reliance notebooks. I
12 think they start out with Exhibit 15-A through 15-C.

13 (Exhibit Numbers 15-A - 15-C marked.)

14 Q. Does 15-A through 15-C constitute all the
15 documents that you rely upon for your opinions in this
16 case?

17 A. That I had prepared prior to today. I will
18 rely on the dermatology books I -- I've already read
19 into the record by the time of trial.

20 Q. Okay.

21 MR. BROWN: Are you okay?

22 THE WITNESS: Yeah. I know --

23 MR. BROWN: Do you want to take a break?

24 THE WITNESS: We're doing fine.

25 MR. BROWN: Let's keep going.

1 THE WITNESS: Let's keep her going.

2 Q. All right. Next we've got --

3 A. We're going to get you on that plane.

4 (Exhibit Numbers 16-A - 16-B marked.)

5 Q. -- Exhibits 16-A and 16-B, which are called
6 key DuPont production document list binders.

7 A. Yeah.

8 Q. What's the difference between this and the key
9 document that you had? I mean, obviously, there's a
10 lot more here.

11 A. It's a lot more. I think there were more
12 Sabine River documents in the other stack.

13 Q. All right.

14 A. And these cover broader areas, including their
15 understanding of the industrial hygiene expectations
16 going back into the '60s.

17 Q. And these are 16-A and 16-B?

18 A. Yes, sir.

19 Q. Where did you get these documents? Did you
20 get them from Mr. Scott?

21 A. They came from some counsel. I didn't have
22 them.

23 Q. Okay. What other documents? There's your --

24 A. Okay. This is Exhibit 17.

25 (Exhibit Number 17 marked.)

1 A. And this is so-called case materials. And in
2 there, we have the Social Security records, I believe,
3 the interrogatories, the plaintiffs' requests for
4 inclusions, and a lot of -- some legal documents, and
5 some science -- for instance, the Nicas depo's in here.

6 And you're welcome to look, if you
7 haven't, but it's just the materials you see from
8 counsel that are related to this case.

9 Q. All right.

10 A. And there's two volumes -- just one volume.
11 Okay.

12 Q. And the last one is the Univar study binder.
13 It's not marked yet. What -- 26 -- 27?

14 THE WITNESS: Dallas, is the one on your
15 chest -- it's been used. Oh, it's trash? All right.

16 A. Okay. This includes -- we may have already
17 marked most of these. This includes Wellen and some
18 labels that I was provided and -- and warning
19 statements from Van Waters & Rogers. I don't know what
20 the history of these are. You're welcome to look at
21 it.

22 Q. Well, no, no, let's just mark it, and we'll
23 move along.

24 (Exhibit Number 27 marked.)

25 THE WITNESS: That's 27.

1 Q. Doctor, you've indicated that you're -- you've
2 had some criticisms and are critical of some of the
3 plaintiffs' experts in this case.

4 Do you know if there are other persons who
5 have been critical of your work in the past?

6 A. Oh, of course.

7 Q. In particular, you see some criticism with
8 regard to the chromium studies, correct?

9 A. Well, not the studies -- you mean -- they've
10 been critical -- we have the -- we have a newspaper
11 article that's critical of it, for sure, and then
12 there's Dr. Eagleman's claims and -- and then EWG's
13 claims.

14 Q. David McMichaels' claims?

15 A. David Michaels.

16 THE REPORTER: McMichaels?

17 MR. BROWN: No, it's Michaels, excuse me.

18 A. It's all the same claim repeated by different
19 people.

20 Q. Peter Waldman's article in the Wall Street
21 Journal?

22 A. Yeah, it's all the same.

23 Q. Why -- why did you understand that they
24 retracted your article on chromium --

25 MR. SCOTT: Object to form.

1 Q. -- that was published in 1997?

2 MR. SCOTT: Object to form.

3 A. The editor believed that I failed to disclose
4 in the article the fact that the Chinese author
5 received \$1200 to -- I suppose in consulting fees to
6 assist in reconstructing his work for English
7 translation. He felt that should have been disclosed.

8 Q. Did you feel like that should have been
9 disclosed?

10 A. Based on my review of the guidelines used in
11 the scientific community and the size of that -- that
12 consulting amount, clearly it was not necessary.

13 And I've -- and I've said that in writing
14 and in peer-reviewed papers -- paper.

15 Q. In any of the studies that you've been asked
16 to do, whether it's a risk assessment or reevaluation,
17 have you ever lobbied or argued for a higher -- I
18 mean -- strike that -- a lower PEL or more protection
19 for individuals and against the position of the company
20 who hired you?

21 MR. SCOTT: Object to the form.

22 A. That's a compound question. You could -- the
23 first answer is, yes, I have -- I have argued for lower
24 occupational exposure limits at times. One of them was
25 benzene. Another one was beryllium. You may recall I

1 interacted with the TLV committee on moving the
2 guideline down.

3 Q. What was -- what -- what did you argue with
4 regard to benzene and its PEL being lowered?

5 A. Well, I'll have to remember. I think it
6 was -- I think it was 1 at the time, and I
7 suggested .05, and there was a discussion about whether
8 it should be .1 or .2. I believe that's -- it's foggy
9 now, but it was -- it was -- I -- I suggested a middle
10 ground lowering of the -- of the guideline.

11 And on beryllium, I said it could be a
12 significant change. I didn't know what that number
13 was, but I thought it was clear that the evidence was
14 it had to be lowered.

15 Q. Well, let me see if I understand on benzene.
16 You argued that the permissible exposure level for the
17 time-weighted average be reduced from 1 part per
18 million to .05 parts per million?

19 A. I have to go back and look. It's the TLV, not
20 the PEL, by the way. It was the TLV.

21 Q. All right.

22 A. That record is -- is clear.

23 Q. All right. Where -- who did you argue that in
24 front of?

25 A. The TLV committee.

1 Q. The TLV committee for the industrial hygiene
2 association, ACGIH?

3 A. Yes, ACGIH.

4 Q. All right.

5 A. They -- they were considering a range of
6 changes, and I supported one of those changes. And I
7 suggested whatever I suggested. I think it was 0.5. I
8 think. I'd have to go back and look.

9 Q. And when -- when did that take place?

10 A. I think it was in the time frame 1991 or '2 to
11 1993, in that vicinity.

12 Q. And was that on the records or the minutes of
13 the ACGIH?

14 A. I think it's -- I think it's been -- I don't
15 think it's a secret. I don't know what the -- I don't
16 know what they hold. I don't know.

17 Q. Why did you feel it was necessary to reduce
18 the benzene exposure level or TLV to .05?

19 A. Well, I wasn't sure it had to be, but there
20 was a movement that -- at that time, there was a
21 reliance on mathematical models for influencing
22 occupational health standards, and I -- I said, you
23 know, if we're -- if we're going to change it, this is
24 what I thought would be a reasonable value that was
25 protective.

1 And, again, I would have to go back and
2 look at what that value was, but it was lower than the
3 prevailing TLV.

4 Q. Do you know what the TLV for benzene was in
5 1990? Do you remember?

6 A. Not without looking. It's in my -- it's in my
7 paper.

8 THE WITNESS: Can I see the last one, the
9 most recent, 2004 Williams and I.

10 A. You know, my recollection is it's 5 or 10 at
11 that point.

12 Q. Do you have a recollection of you arguing for
13 a TLV that was below the OSHA permissible exposure
14 level?

15 A. To OSHA?

16 Q. That the TLV you were recommending --

17 A. Oh.

18 Q. -- or arguing for would be below the OSHA --
19 OSHA PEL of 1 part per million.

20 A. You have to look at the time frame. I'll have
21 to refresh my memory on time frame because I don't
22 remember who went first, if it was a PEL change on
23 benzene before it did for the TLV. I don't remember
24 right now.

25 Q. All right. And you say you argued for a lower

1 TLV for beryllium exposure?

2 A. Yeah. I know Michaels doesn't see it that
3 way, but at the meeting, I said, look, it -- it
4 definitely needs to be lower. I don't know how much
5 lower. And I recommended some research be conducted to
6 figure out which form of beryllium.

7 I -- I actually recommended there ought to
8 be five TLVs or five PELs for beryllium, which I
9 thought was pretty novel, and that wasn't embraced.
10 But I thought that you should be very scholarly in
11 setting those five and that the -- that the primary one
12 for oxide definitely needed to be lowered. But I
13 didn't know how much. I -- I don't know if I proposed
14 a limit or not, today. I just don't remember.

15 Q. On your issue with --

16 A. But that's well known. I mean, it's all --
17 it's in papers and there's no question about what my
18 position is.

19 Q. On your issue on the -- the study that was
20 retracted -- I have a copy of that -- were you aware
21 that before it was retracted, that the California EPA
22 relied upon that study in coming up with a PEL for
23 exposure to exposure to chromium 6?

24 A. That's not true. That's what the plaintiffs'
25 bar said. That's not true.

1 Q. That's not true?

2 A. No.

3 Q. What -- explain it.

4 A. Well, first of all, it's -- it's not a PEL;
5 it's a water standard, for one thing.

6 Q. All right.

7 A. The second thing is, they didn't rely upon it.
8 They looked at the body of knowledge on chrome 6.

9 They probably were -- they ignored it, in
10 fact. We'll go that far. They -- they picked their
11 own study that they liked, and then they decided to
12 embrace it. And I think it was borne of -- and they
13 kept on taking it -- the State of California put it
14 before outside peer reviewers, and it consistently got
15 rejected as being credible science for changing their
16 value.

17 MR. SCOTT: Which one? It's unclear from
18 your answer which study --

19 THE WITNESS: I'm sorry. Chrome -- oh,
20 California.

21 MR. SCOTT: Which study was rejected?

22 THE WITNESS: Oh, their proposal was
23 rejected for -- for lowering the -- the water
24 guideline, at least the basis for their water --
25 lowering the water guideline.

1 A. And they -- and I know the plaintiffs' bar
2 argued that the paper with Zhang influenced their
3 judgment so as not to lower it. But from what I can
4 tell, they didn't give that serious consideration
5 anyway.

6 MR. BROWN: Do you have another sticker?

7 A. That's well -- again, that's well documented,
8 and we could go through that, even though I think it's
9 out- -- way outside today's deposition. But I'm happy
10 to talk about it.

11 Q. I don't want to spend a whole bunch more time
12 on it.

13 A. I will call to your attention, since we're on
14 the topic, that my written and -- and the group's
15 written views on what happened and the fact that the
16 original paper was not only correct, but much more
17 accurate than the -- than the EPA's paper and all the
18 controversy around it is well-documented in this
19 manuscript, which if you don't make an exhibit, maybe
20 Mr. Scott will.

21 Q. No, I'll be glad to make it an exhibit.

22 (Exhibit Number 28 marked.)

23 A. Okay. I would focus your attention to pages
24 341 and 342 of Exhibit 27 -- 28.

25 Q. All right. 28 is what?

1 A. It's a paper that came out in 2009, which is
2 the complete compendium, which summarizes all of
3 Zhang's studies and presents the epidemiological
4 analysis that is based on all of his many years of
5 study of this topic. And it appeared in the Journal of
6 Toxicology and Environmental Health, volume 72, pages
7 329 to 344.

8 Q. Who publishes that journal?

9 A. Taylor & Francis.

10 MR. BROWN: I don't have any more paper
11 clips.

12 (Exhibit Number 29 marked.)

13 Q. 29 is -- you don't -- all it is is the various
14 Wall Street Journal articles, articles by Mr. Michaels
15 and Environmental Working Group, you know, saying their
16 criticisms of you.

17 You've seen those before, correct?

18 A. Sure.

19 Q. Okay.

20 A. Well, I don't know if it's -- I guess partly
21 it's for me or, if not me, the -- how the chrome 6
22 study was -- came about.

23 MR. BROWN: Here we go. We've got a paper
24 clip rather than that rubber band. You're going to
25 roll up everything. Thank you, so much.

1 Q. The next one --

2 MR. BROWN: Do you have another sticker?

3 Thank you.

4 (Exhibit Number 30 marked.)

5 Q. This is just a group of documents regarding
6 the Dow dioxin study in Michigan and whether or not the
7 State of Michigan would accept the in vivo
8 bioavailability study that was performed by Exponent.

9 Do you recall that?

10 A. I don't right now.

11 Q. Do you recall working on the Dow Midland,
12 Michigan dioxin project with Garabrant?

13 A. I can't imagine that you can take a blog site
14 and bring it admissible into this deposition, but if
15 you say you can, I guess you can.

16 Q. No. I'm -- what I'm --

17 A. I mean, I've never heard of it, but -- it's a
18 blogger site.

19 Q. I understand. And what I'm -- let me show you
20 what the -- it's where -- that's where these documents
21 came from, and it shows the corrective action --
22 corrective action consent order that Dow was seeking to
23 have implemented based on work done by Exponent while
24 you were vice president of that group.

25 Do you recall that?

1 MR. SCOTT: Objection, form.

2 A. I recall submitting the study, and -- are you
3 saying that it was rejected?

4 Q. Yes, sir, according to this e-mail from the
5 Midland -- the Michigan Attorney General's office, they
6 rejected the Exhibit 2 to the study, which was the
7 science used to support an increase of the permissible
8 exposure level or safe level of dioxin.

9 A. All right.

10 Q. Do you recall that?

11 A. Well, it's wrong. Factually, what you said is
12 wrong.

13 They chose not to accept -- well, first of
14 all, the State of Michigan accepted my proposed soil
15 cleanup standard, okay? That was officially brought in
16 and had regulatory enforcement.

17 The new governor came in and chose not to
18 continue to accept it and to look for -- to revise --
19 to go back to their old proposed guideline.

20 Then -- that's another matter. So the
21 first proposal was accepted by the state.

22 The second was -- and then it was taken
23 back.

24 And then we -- we also did this
25 bioavailability study. It was not rejected; however,

1 the state did choose to go ahead and embrace their old
2 cleanup guideline. I don't see anything that says that
3 it's rejected.

4 Q. Let me -- let me show you what I'm referring
5 to.

6 A. Okay. This is an e-mail that was disclosed in
7 litigation or somehow pursuant to a Freedom of
8 Information request.

9 They say, however, according to both Dow
10 and your staff, the only existing attempts to apply the
11 PRA, probability --

12 A. Probabilistic risk assessment.

13 Q. -- derive a cleanup standard for dioxin in
14 residential soils are certain reports prepared by Dow's
15 consultant, Exponent. As you well know, the DEQ's own
16 toxicologists have unanimously disagreed with
17 Exponent's conclusions. More specifically, DEQ's own
18 qualified experts have concluded that many of the
19 critical inputs used by Dow for the risk assessment are
20 not based on the best available scientific information
21 as DEQ's own rules require. Moreover, the EPA has
22 specifically advised you in writing that Exponent's
23 work did not appear to follow EPA guidance on the PRA
24 upon which it purports to be based, and that numerous
25 issues would need to be carefully evaluated.

1 Do you remember anything like that?

2 MR. SCOTT: Object to the form.

3 A. Who's Art Nash?

4 Q. I couldn't answer that question. I don't
5 know.

6 A. I -- well, let's -- let's -- let's get the
7 record straight. We've got to find out who Art Nash
8 is. But he looks like he's an environmentalist, and
9 you're -- you're conveying to me that you think he
10 represents the position of the state; is that right?

11 Q. I'm representing to you that this article is
12 an e-mail from the Texas Attorney General's office
13 or --

14 A. The Texas Attorney General?

15 Q. Excuse me. No.

16 A. You're telling me that Nash is from the
17 Michigan Attorney General's office; is that right?

18 Q. I'm asking you, sir.

19 A. I know. This is -- this is --

20 Q. Do you remember anything like that as a part
21 of the reason why Dow's bioavailability study was not
22 accepted and the consent order was not entered?

23 A. This is a very complicated issue. For
24 instance, MDEQ hired outside consultants to peer-review
25 their work -- and I think I've written this up in a

1 paper -- and then they embraced our work, and it was
2 after -- and then they -- they ignored their own
3 consultant's advice after they hired him.

4 I -- I don't know. I -- for sake of time,
5 I don't think we can do justice to this topic. I
6 didn't -- I'm not aware of anybody who criticized the
7 scientific merit of that bioavailability study. They
8 may have chosen not to use it in setting a soil cleanup
9 level. That's entirely possible. But it wasn't based
10 on the merit of study, in my recollection -- in my
11 recollection.

12 Q. Is this a true statement, that Dow's 2002
13 bioavailability study for Mid-Michigan, designed by
14 Paustenbach, would have raised the allowable level of
15 dioxins to 831 parts per trillion?

16 A. Well, that's true. Well, that's not -- not
17 just that bioavailability study, but the -- the body of
18 scientific evidence would have raised it. And, in
19 fact, it went through. Like I say, it was accepted for
20 a while.

21 Q. Was it ever overturned or rejected?

22 A. Well, that's what I said, the governors
23 embraced the staff's proposal not to embrace it after
24 the new governor took office.

25 Q. Okay.

1 A. And that study, by the way, the support for
2 the 831 -- because I thought the world ought to really
3 understand the scientific underpinnings -- is published
4 in the peer-reviewed paper that had an enormous number
5 of peer reviewers. It's never received one word of
6 criticism, and I would be happy to put that into the
7 record. But the scientific basis for that is well
8 known.

9 Q. Do you consider dioxin to be carcinogenic?

10 A. It's certainly --

11 MR. SCOTT: Object to form.

12 A. It's -- 2,3,7,8-TCDD is clearly -- yeah, 2,
13 comma, 3, comma, 7, comma, 8, dash, TCDD, in caps -- is
14 clearly carcinogenic in lots of animal species. The
15 evidence that it increases the cancer risk in humans
16 has been debated for 20 years. It's not resolved.

17 Q. What's your -- what's your personal belief?

18 A. I think that thus far, the data remain unclear
19 as to what cancer is being elevated, or if all total --
20 if all cancer is being elevated, I think the evidence
21 is inadequate to show that. I recognize that EPA
22 believes that the evidence is adequate, but I think
23 there's a very large fraction of the scientific
24 community that doesn't think it's adequate.

25 And I'm sure it's dose-dependent as well.

1 I'm not ruling out that it might be. I'm just saying
2 the -- the evidence is -- is still lacking, in my view.

3 Q. Dr. Paustenbach, I don't believe I have any
4 further questions of you at this time. I may have some
5 more after Mr. Scott has a chance to ask you some. I
6 appreciate your time today.

7 MR. SCOTT: Why don't we take a break,
8 then, because I'll be more than five minutes.

9 THE VIDEOGRAPHER: The time is 1:58. We
10 are going off the record. This is the end of tape 5.

11 (Recess 1:58-2:09 p.m.)

12 THE VIDEOGRAPHER: The time is 2:09. We
13 are back on the record. This is the beginning of
14 tape 6.

15 EXAMINATION

16 BY MR. SCOTT:

17 Q. Dr. Paustenbach, you were asked questions
18 about Dr. Nicas' methodology in performing the
19 quantitative exposure assessment that he has performed
20 in this case.

21 Do the factors that are utilized as input
22 into a model -- is that part of the methodology used in
23 a quantitative exposure assessment?

24 MR. BROWN: Form.

25 A. Yes.

Q. And can you specifically tell us how the selection of input parameters is implicated in the methodology of a quantitative exposure assessment?

A. Sure. You can have a methodology that relies on one or two different models, but it's not considered a credible scientific method if you haven't inserted the right exposure parameters into that model. So it -- when you're talking about methodology, it's in its totality.

Q. With regard to the model that was used for outdoor near-field exposure assessment by Dr. Nicas, do you have criticisms of the use of that particular model?

A. I -- as I said, that model needs to be modified in order to take into consideration the -- the importance of the strength of wind direction, which isn't so dominant in the indoor environment, where there's a greater opportunity for relatively uniform distribution of the contaminant from the source; whereas in the outdoor environment, there's usually predominant winds that go in one direction or another that -- that take away the ability for it to be a uniform distribution of -- and dilution of -- of the point source material.

So that model, if modified, could be

1 useful in the near field. The ones I mentioned before
2 have some flaws in the near field, so it would be some
3 blend of the two mathematical approaches that would be
4 needed.

5 The ones I did mention I should have
6 mentioned were not intended to be used in the near
7 field.

8 Q. And I'm not going to go through each of the
9 criticisms or flaws which you found in Dr. Nicas'
10 quantitative exposure assessment. But is it fair to
11 say that in each instance when Dr. Nicas selected an
12 input factor, whether it be wind speed or whether it be
13 evaporation rate, whatever it is that you've already
14 mentioned, that his selection biased the results of his
15 exposure assessment upwards?

16 MR. BROWN: Object to form.

17 A. Well, he didn't know he's using the most
18 extreme exposure factor; but, on balance, for sure, as
19 I said in -- in testimony, it biased, certainly, away
20 from -- from the central tendency and best estimate, in
21 my view, at least, as I said, by a factor of 10, 100 or
22 1,000. So certainly there was no opportunity for it to
23 be underestimating the real dose.

24 Q. With regard to the knowledge, scientific
25 knowledge, regarding the contribution of dermal

1 exposure to benzene as it relates to inhalation
2 exposure to benzene, generally what is the -- if you
3 can put it in percentage -- what is the accepted
4 percentage?

5 A. Well, there is no accepted percentage, and
6 there are several reasons for that, but most
7 importantly -- and people seem to forget this when they
8 look at my study of the Pliofilm workers that's in a
9 cohort back '30s, '40s, '50s, '60s, where the concern
10 about benzene wasn't as great, the TLV was lots higher,
11 and there was not a sensitivity to the role of
12 permeation of -- of gloves during that time frame.

13 So in the early years, the percent
14 contribution -- the absolute contribution of dermal was
15 higher; and on a percent basis back then, I think I
16 said it could be in the 10, 20, 25 percent range
17 compared to inhalation.

18 And I -- and I think I overestimated, as I
19 said, because I didn't take full account into the --
20 into the effects of volatilization off the skin.

21 In the more modern era, you would expect
22 the dermal contribution to be very, very low for -- due
23 to higher awareness, higher personal protective
24 equipment.

25 And I feel that way even about -- in the

1 Thompson case, during that era, you would not expect
2 dermal to be a significant contributor to dose unless
3 somebody were -- "significant" meaning 10, 20 percent.
4 You could -- I could see that, but certainly not
5 equaling the inhalation dose.

6 MR. BROWN: Form, responsiveness.

7 Q. Are you familiar -- are you familiar with any
8 published scientific studies or information in which
9 dermal absorption has been reported as being equal to
10 or higher than the concomitant inhalation exposure,
11 that is, from the same activities?

12 A. Not when you're looking at a population
13 cohort. I can -- I have not seen it in a published
14 paper on individual, but certainly in any reasonable
15 workplace the last 30 or 40 years, I've never seen
16 anybody report the dermal contribution to be anywhere
17 near the inhalation contribution, even for benzene,
18 which tends to have a fairly good permeability.

19 Q. And the flip side of that question, are you
20 familiar with published scientific literature or
21 information which reflects that, in fact, in the modern
22 era -- which you've described, I guess, as the last 30
23 or 40 years --

24 A. Yeah, post-OSHA is considered the modern era.

25 Q. Are you familiar with published scientific

1 literature or information which reflects that, in fact,
2 the dermal absorption of benzene is a smaller component
3 in this 10 to 20 percent range that you have discussed
4 as opposed to -- or as compared to the concomitant
5 inhalation?

6 MR. BROWN: Form.

7 A. As -- as Mark -- I agree with Mark -- this
8 portion of Mark Nicas' deposition. As the overall dose
9 comes down, from an inhalation standpoint, if you're
10 not careful, the dermal can start to creep up in its
11 percent of the total dose.

12 It may not be appreciable, the two
13 together, but on a percent basis, it can -- it can be
14 greater -- it can be greater than 10, 20 percent.

15 Q. How far down does the inhalation level have to
16 come --

17 A. That's a good question.

18 Q. -- in order for the dermal to rise --

19 A. Yeah.

20 Q. -- as a percentage of the total?

21 A. Yeah. Well, there's a conflict, because in
22 the modern era, when benzene exposures are in the
23 one-half ppm range, we're also using gloves, and
24 there's not much exposure to benzene anyway in the
25 modern era, hasn't been for 20, 30, 40 years, in the

1 United States in major companies.

2 Q. Now, I think you have made it clear on this
3 record today that, based upon your review of the
4 materials, while you have not been designated as a
5 causation expert, you do not -- you are not of the
6 opinion that benzene is associated with the disease
7 which Mr. Thompson has -- had; is that correct?

8 MR. BROWN: Object to form.

9 A. Based on my respect for Dr. Irons' knowledge
10 of the subject and having read his deposition, I
11 would -- I would believe it's -- they're not related.

12 Q. Now, let's set that aside.

13 And if I were to ask you to look at the --
14 all of the exposure information, all of the
15 concentration information, work habit information, work
16 circumstance information, that's been provided to you
17 and look at these more recent depositions that we've
18 had of Frank Parker, Mark Nicas and others, are you
19 able to -- would you be able to put together some
20 cumulative lifetime exposure to benzene for
21 Mr. Thompson?

22 A. I could. It would have uncertainty in it, but
23 I could put together what I believe to be a reasonable
24 estimate of his exposure during his lifetime in all
25 workplaces.

1 Q. How would you do that?

2 A. You would take -- you would look at his whole
3 work history, and where he alleges exposure, and how he
4 alleges exposure, and -- there would be two ways to do
5 it.

6 One, you could look at each workplace and
7 look at the conditions that he reports, and then come
8 up with a balance high and low and best estimate for
9 his cumulative lifetime dose, summing all those various
10 exposures in all -- in all -- for all companies.

11 You could also then -- if you wished, you
12 could then use what you believe to be his likely
13 exposure, making other assumptions. If you -- for
14 instance, one might be that he didn't know he used
15 benzene; he used some other chemical that -- for
16 degreasing, and then that would bound the low end.

17 So those two approaches would be used
18 across his whole lifetime, which would be time, type of
19 exposure, and a concentration summing up across all the
20 different workplaces. You could do that.

21 So you'd have one based on professional
22 judgment, exclusively, with -- not necessarily assuming
23 benzene. And you could do one assuming pure benzene in
24 the manner that he used it with the correct parameters.

25 Q. I don't know whether you noticed this or not,

1 but in Dr. Nicas' deposition -- have you seen his
2 deposition in both the Nolen and Thompson case --

3 A. I have.

4 Q. -- cases?

5 I -- I don't know whether -- I want to
6 know if you -- there's any way you can reconcile this.

7 In the Thompson case, at pages 81 and 82
8 of Dr. Nicas' deposition, he said this -- he was asked
9 this question: What was the source of your estimate of
10 the emission rate of benzene from a bucket in terms of
11 mass percent over time?

12 His response was this: Mr. Thompson said
13 that he would take a five-gallon bucket and fill it
14 up -- fill it about half full with benzene, and that by
15 the end of the cleaning, a certain percent was lost. I
16 have to pull up the figures. And so I assumed that
17 about half of what was lost from the bucket actually
18 evaporated into the air.

19 In the Nolen case, when asked a similar
20 question -- and here's the question:

21 Specifically, as I recall the testimony,
22 it was that a five-gallon bucket was filled about
23 halfway to two and a half gallons, and the tools were
24 washed in the benzene, and during that activity,
25 approximately 25 percent of the two and a half gallons

1 would somehow end up out of the bucket.

2 That was my question to him.

3 Is that consistent with your recollection?

4 And he said, yes.

5 And the question: And of that 25 percent,
6 your estimation was that 25 percent of that amount
7 would evaporate into the air directly, correct?

8 He says, I don't think that was my
9 estimation; I think that was Mr. Nolen's estimation.

10 So I wanted to follow up.

11 I said, well, I may not be clear on my
12 question here. I understand Mr. Nolen said 25 percent
13 of the total amount in the bucket was no longer in the
14 bucket, whether it got spilled or evaporated, correct?

15 Correct.

16 Then you took that testimony and you made
17 some calculations in which part of the calculations
18 were that 25 percent of the 25 percent that was no
19 longer in the bucket evaporated directly.

20 We go on through some testimony to that
21 effect, but the end of his answer was this: I did
22 deduce that of the 25 percent that didn't splash on the
23 ground that had evaporated -- that had -- that
24 25 percent of the amount had evaporated.

25 My question was a little unclear, but is

1 there any reasonable explanation for why, in the case
2 of Mr. Thompson, the benzene that came out of the
3 bucket would evaporate at 50 percent and the benzene
4 that came out of the bucket in the case of Mr. Nolen
5 would evaporate at 25 percent?

6 MR. BROWN: Object to form.

7 A. No, I asked myself the same question. But you
8 can see that that's what he did, was the emission rate
9 or so-called G generation rate, is so much higher in
10 Thompson, so his assumptions were clearly different.

11 Q. And you pointed that out earlier about the
12 high emission rate in Thompson.

13 What are your criticisms of this literally
14 half of the benzene that comes out of the bucket
15 evaporating?

16 MR. BROWN: Object to form.

17 A. I don't recall if it's exactly half, but,
18 nonetheless, the high generation rate, the criticism is
19 that it doesn't make sense on many levels.

20 One, given the distribution that he used,
21 that would create a near field atmospheric
22 concentration that was over 1,000 ppm at times. So
23 that's one criticism. I don't think it reached that
24 level. You'd see other things happening if it did.

25 Number 2, it doesn't need to reach that

1 level, and he could have used some other methodologies
2 for estimating the near-field concentration from that
3 bucket without having to rely on that testimony.

4 And then there's no consideration in there
5 for temperature, which I think was a factor that should
6 have been considered as a reality check on his emission
7 estimates.

8 Lastly, the -- the quantity that he has
9 being released per minute is very inconsistent with the
10 quantity released through normal interactions with
11 volatile chemicals and is shown in my Table 6-1 in the
12 comments.

13 You see that even when you're applying, in
14 a thin film, volatile chemicals, the emission rate is
15 not as high as what he has from out of a bucket, which
16 you wouldn't expect that to happen.

17 Q. Is it fair to say that Dr. Nicas'
18 evaporation -- is it emission rate or evaporation rate?
19 I'm not sure which one's the --

20 A. You can go with either one. It's a rate -- a
21 loss of -- emissions lost due to evaporation.

22 Q. Dr. Nicas' emissions lost to evaporation rate
23 is not only different than and inconsistent with
24 information scientifically available about benzene, but
25 also inconsistent between the Nolen and Thompson cases

1 by a factor of 2?

2 MR. BROWN: Object to form.

3 A. That's -- that's true. And he -- he -- as I
4 recall, he said -- he offered some explanations for
5 that, but they didn't seem to be adequate to me to --
6 to deal with -- with the issue.

7 Q. Assuming the same temperature and all of that,
8 does benzene evaporate at the same rate, whether it's
9 Mr. Nolen who is using it or Mr. Thompson?

10 MR. BROWN: Form.

11 A. It would be the same volatilization rate,
12 assuming all other conditions are the same.

13 Q. Sure.

14 I want to talk just quickly about DuPont.
15 Are you familiar with the occupational health and
16 safety record of DuPont over many, many years?

17 A. Well, I have firsthand knowledge of it back to
18 1974, when I began my industrial hygiene and chemical
19 engineering career.

20 Then I have reading knowledge of it going
21 back much longer than that.

22 And then I have, through my major
23 professors in school who worked with DuPont for many,
24 many years, and having met the old-time DuPont people
25 that worked there back in even -- as far back as the

1 '50s, I've grown to know quite a bit about the company.

2 Q. Have you reached opinions with regard to the
3 state of knowledge that DuPont had with regard to
4 benzene based upon the -- the information you've just
5 described in the 1950s, '60s, '70s?

6 A. DuPont would have been as knowledgeable as
7 nearly any firm, at least in the occupational medicine,
8 toxicology and industrial hygiene areas. They would
9 have been as -- they should have been and I know they
10 were virtually as knowledgeable as anybody about the
11 hazards of benzene.

12 Q. Have you reached opinions, based upon the
13 information that you've described for us, with regard
14 to how much DuPont cared about the safety of their own
15 employees and others on their premises?

16 MR. BROWN: Object to form.

17 A. As I mentioned, DuPont, going back a long,
18 long time, has been considered perhaps the world leader
19 on safety issues, and I'm differentiating that from
20 hygiene. They have a -- great industrial hygiene and
21 tox programs. But with respect to safety, they
22 virtually have written the book for almost 50 years.

23 As you may recall, the origins of the
24 company required them to be very knowledgeable about
25 safety.

1 Q. Tell the jury what you mean by that.

2 A. Well, they had had an unfortunate series of
3 explosions, and the management said, this won't happen
4 again. We're going to have the best safety program in
5 the world for as long as the company stays in business,
6 and they pretty much stuck to that.

7 And from when I was a young professional,
8 we all -- every -- virtually every Fortune 50 company
9 in the chemical and pharmaceutical business used the
10 DuPont methodologies and criteria and benchmarks as our
11 goal for -- for what the best safety and health
12 standards were. I mean, they were just -- that's
13 always been a hallmark of the firm.

14 Q. Having said all of that, is it inconsistent
15 with your knowledge of the level of knowledge that
16 DuPont had about the potential health effects of
17 benzene, exposure to benzene, and the level of care
18 that DuPont showed about occupational safety and health
19 issues that the kinds of events that have been
20 described in this case would have occurred on a DuPont
21 plant?

22 MR. BROWN: Object to form.

23 A. Well, you can't rule out that they occurred,
24 but they wouldn't have occurred with their knowledge,
25 at least their knowledge by their safety and health

1 professionals, and probably not by most of their
2 employees.

3 Their employees get a rigorous training
4 program. So, normally, you oversee contractors. And
5 as I said previously, the -- the thought that they
6 could walk up to a company to a can of benzene, draw it
7 out at their will and use it in that manner with their
8 knowledge is -- is just inconceivable to me.

9 MR. BROWN: Responsiveness.

10 Q. Now, having said all of that, if that
11 happened, if these circumstances which have been
12 described actually occurred, washing hands and tools in
13 pure benzene for 17 1/2 minutes or 22 1/2 minutes, or
14 however long Dr. Nicas thinks it was -- if those things
15 occurred on a regular basis, and DuPont was not only
16 aware of it but actually provided the benzene for those
17 tasks, do you have an opinion as to whether DuPont's
18 conduct was acceptable in industrial norms for the
19 time?

20 MR. BROWN: Form.

21 A. If they did, it wouldn't be acceptable.

22 MR. SCOTT: I pass the witness.

23 EXAMINATION

24 BY MR. BROWN:

25 Q. With regard to your criticism of Dr. Nicas and

1 what you characterize as overestimate -- his
2 overestimates of Thompson's exposure, you said it is in
3 orders of magnitude 10 times, 100 times, up to 1,000
4 times. Do you recall that testimony?

5 A. Yes.

6 Q. Which is it, Doctor? Is it 10 times or is it
7 1,000 times?

8 A. I'd have to go back and look, but it's pretty
9 clear from my testimony, when I said it was twofold or
10 fivefold, was if you consider the weather factor, if
11 you consider -- I -- I personally believe it's probably
12 1,000 -- nearly a thousandfold too great only because I
13 don't think, given the locations of the allegations
14 about benzene use, of pure benzene in the way it was
15 described -- I believe they probably cleaned their
16 tools with something that had very low concentrations
17 of benzene, and he would have used a traditional
18 degreasing solvent. That's why it could approach a
19 thousandfold.

20 But in that kind of analysis, you could
21 say, okay, let's assume that it was pure benzene. Then
22 I -- then I said, okay, it's at least overestimated by
23 other factors because he either got the time and the
24 location wrong by a factor of 2 -- because I don't
25 agree that he was there two years -- or the wind speeds

1 weren't appropriate, or the model didn't yield the
2 correct answer, or the dermal uptake was not calculated
3 properly, all those various things.

4 So it's going to vary depending on which
5 one of the assumptions you choose to not embrace. If
6 you -- if you don't embrace the vast majority of them,
7 including the fact he didn't use pure benzene, it's
8 going to be at least by a factor of a thousandfold off.

9 MR. BROWN: Let me object to
10 responsiveness.

11 Q. You can't be any more precise to this jury in
12 saying it's either a tenfold rate error or a
13 thousandfold rate error?

14 A. If I wanted to be generous, I'd say it's at
15 least ten. That's assuming it's pure benzene, and
16 there's wall effects and building effects, and the man
17 put his hands in the -- in the benzene.

18 It's certainly tenfold too high, more
19 likely -- and then if you adopt only half the
20 assumptions to be off-base, then you're going to be up
21 to 100. But, certainly, if he's not using pure
22 benzene, it's at least off by a thousand.

23 MR. BROWN: Let me object to
24 responsiveness once again.

25 Q. You can't be any more precise than saying it's

1 a tenfold error mistake or 100 or a thousandfold error
2 mistake on the part of Dr. Nicas in his calculations;
3 is that right?

4 MR. SCOTT: Object to form.

5 A. I think my answer's clear. I -- depending on
6 what assumptions you want to believe in, it's no less
7 than ten and it's at least as great as a thousand.

8 Q. And all this without you ever putting a pencil
9 to paper, correct?

10 A. I put plenty of pencil to paper, as you'll see
11 when you examine, as I substitute the correct answer
12 for those various variables without going over his
13 whole work history. See, I'm just critiquing the two
14 sites that he critiqued.

15 Q. All this without putting a pencil to paper to
16 determine your own exposure estimate?

17 A. Because I don't have to.

18 Q. But you said you could do it, correct?

19 A. Oh, sure.

20 Q. And if you were to do it, can you think of any
21 exposure that Mr. Thompson would have had to benzene
22 that would have been greater to those -- than those
23 exposures that he described while working with benzene
24 from the 55-gallon drums during the late '60s and '70s?

25 A. Are you asking can I imagine a scenario where

1 he could get exposed more?

2 Q. No, sir, I'm asking you based on his knowledge
3 of his work history and the fact that you say you could
4 do an exposure model of his lifetime exposure, would
5 there be in your model, in your work, any exposures
6 that -- to benzene greater than his exposures while he
7 was working with benzene from the 55-gallon drums
8 during the late '60s and early '70s?

9 MR. SCOTT: Objection, form.

10 A. No.

11 Q. You said -- you were asked some questions by
12 Mr. Scott about whether or not Dr. Nicas consistently
13 biased everything in favor of higher exposures. Do you
14 recall that?

15 A. Yes.

16 Q. Do you remember when he was asked to quantify
17 his exposures out at the -- Texas US Chemical and based
18 on John Thompson's testimony that I worked out there
19 from four to six months? Do you remember what he used
20 as the number of months that he worked out there?

21 A. No, but my testimony to Mr. Scott was, he
22 didn't know he's picked the highest value. Mr. Scott
23 thought that was going to be my answer, but he didn't
24 always do that.

25 Q. Yeah, he didn't. In that -- in that scenario

1 I just described, he picked five --

2 A. That's right.

3 Q. -- which is right in the middle of four and
4 six.

5 A. Yeah. There were other times he picked in the
6 middle as well.

7 Q. And that -- that is not biasing -- biasing his
8 calculation or model in the highest sense or in favor
9 of the highest exposure level, is it? He could have
10 picked six months?

11 A. Oh, as I said, he didn't know he's picked the
12 highest value.

13 Q. You said you had firsthand knowledge of
14 DuPont's safety programs in 1974 when you started as an
15 industrial hygienist. What do you mean?

16 A. In 1974, I was a full-time chemical engineer
17 at Eli Lilly, and I began to be self-taught in
18 industrial hygiene. We were told right away that
19 the -- that the criteria for acceptability, we were
20 going to peg against the work practices of DuPont, and
21 we strived to -- to try to achieve their guidelines for
22 lost-time injuries.

23 I mean, it was no secret that Kodak,
24 DuPont and Dow were going to be the benchmark that
25 Lilly chose to meet, and it was well-known in the

1 industry that those three companies set the bar.

2 Q. You never worked for DuPont, correct?

3 A. No, I didn't, but I was offered a couple of
4 jobs at DuPont, and I know their program very well.

5 Q. All right. What I'm saying is, you -- you
6 don't know what -- DuPont's reputation as having
7 actually worked there because you never worked there,
8 correct?

9 MR. SCOTT: Object to form.

10 A. No, I absolutely know their reputation.

11 Q. I mean --

12 A. I didn't work there, and I didn't receive
13 firsthand training.

14 I will also tell you that I did have to
15 take and pass DuPont training programs in certain areas
16 at -- as an employee at Lilly, and, for that matter, I
17 think even at Stauffer. In other words, they sold some
18 of these programs later on to the outside world.

19 Q. Let me -- let me be more specific. You never
20 worked or went --

21 A. Very clearly, I was not on their payroll.

22 Q. And, very clearly, you were never in the
23 DuPont Sabine River Works plant, correct?

24 A. That's right.

25 Q. You don't know what their policies were with

1 regard to protecting contractors or caring about
2 employees, do you?

3 A. Only the ones that I've provided you.

4 Q. Okay. Did you know that down in that plant
5 they had a policy not to monitor contract workers for
6 exposure to benzene until after 1980?

7 A. It's shocking that they even chose to do it in
8 1980. That's not the standard practice in the
9 industry. In fact, it's discouraged.

10 Q. Well, I'm saying --

11 A. If they did it in 1980, that's setting the bar
12 way high.

13 Q. If you thought -- if you thought that somebody
14 was caring about the contractors who come out and work
15 in the plant and whether they were being overexposed to
16 chemicals, they could have monitored those workers,
17 couldn't they?

18 A. I'll stick with my testimony. If they
19 monitored contract workers after 1980, that's a
20 standard of care that exceeds 90 percent of the
21 industry, because there's no reason that those -- those
22 that hire contractors, they have no responsibility for
23 doing that. That's the employer's responsibility. And
24 if DuPont did that, that's -- that illustrates
25 exemplary industrial hygiene and safety practices.

1 Q. There's no reason to do that other than to
2 save people's lives, correct?

3 MR. SCOTT: Object to the form.

4 A. To set the bar high as to what practices they
5 want to make sure -- it's a guarantee that they're
6 getting the kind of compliance with the contracts that
7 they normally write with contractors.

8 Q. Would you want the jury to believe that, based
9 on your testimony and your knowledge of being an
10 outsider looking in at DuPont's reputation for health
11 and safety, that there would be no way that people in
12 that plant would have been using benzene to wash their
13 hands and tools during the '50s, '60s and '70s?

14 MR. SCOTT: Objection, form.

15 A. No, I much prefer DuPont have a fact witness
16 for that site to testify on this issue.

17 Q. If C.V. Dancer testified he used benzene out
18 of 55-gallon drums in that plant and he died -- he died
19 of multiple myeloma, would you have any reason to
20 dispute that?

21 A. If who said that?

22 Q. A guy named C.V. Dancer.

23 MR. SCOTT: Object to the form.

24 A. Well, I mean, if an employee -- if somebody
25 gets -- you're saying AML?

1 Q. This man had multiple myeloma.

2 A. Oh, multiple myeloma. Well, there's -- the
3 causal link between multiple myeloma and benzene is --
4 is slim to none, anyway, so he'd be wrong about that in
5 the first place.

6 MR. BROWN: Well, let me object to
7 responsiveness.

8 Q. If he testified he used benzene --

9 A. This is an employee?

10 Q. -- out of 55-gallon drums -- yes, sir.

11 A. Yeah. I would say he was just erroneous with
12 respect to cause and effect --

13 Q. What about --

14 A. -- even if it were true.

15 Q. No, I'm talking about if he was using benzene
16 in that plant --

17 A. Oh.

18 Q. -- out of a 55-gallon drum, would you have a
19 reason to dispute it?

20 A. No.

21 Q. If Vernon Rachel said he used benzene out of a
22 55-gallon drum while he worked in that plant, would you
23 have any reason to dispute him?

24 MR. SCOTT: Objection, form.

25 A. Only -- only if there was fact testimony from

1 the plant that said that just couldn't happen. I don't
2 have anything -- as you said earlier, I don't have
3 written documentation that proves that that didn't
4 happen. All I can do is weigh the credibility of the
5 depositions.

6 Q. If James Levings used benzene to clean his
7 glassware in the lab, would you have any reason to
8 dispute that, during the 1960s and '70s?

9 MR. SCOTT: Object to form.

10 A. That's -- that's not that un- -- that wouldn't
11 necessarily be that uncommon in the lab at that time
12 period. In fact, it was -- it was -- it was not
13 unusual.

14 Q. If Mr. A.D. Snider used benzene out of
15 55-gallon drums in that plant and died of acute
16 myelogenous leukemia, would you have any reason to
17 dispute his testimony?

18 MR. SCOTT: You haven't told him what it
19 was.

20 A. What testimony?

21 Q. The testimony that he used benzene out of
22 55-gallon drums and died of AML.

23 MR. SCOTT: Objection, form. You didn't
24 tell him that.

25 Q. Excuse me.

1 A. I have -- I have no basis to say that it
2 didn't happen other than I've said they're a
3 sophisticated user, and, in my experience, it's
4 extremely unlikely that contractors have free access to
5 any dangerous chemical like that as a contractor
6 without it having been condoned by the facility. It
7 just doesn't happen.

8 Q. Is it shocking to you that so many people --
9 that I could go down the list, if we need to talk about
10 more -- that at that facility would have used benzene
11 out of 55-gallon drums as a cleaner?

12 MR. SCOTT: Object to the form.

13 A. Based on everything I know about DuPont, it's
14 shocking that outside contractors had free access to
15 benzene and to use it in that manner week after week,
16 yes, I'm surprised.

17 Q. Is it shocking to you that, based on what the
18 knowledge of -- with regard to health hazards of
19 benzene were in the '60s and '70s that anybody could
20 have been using it as a cleaner to clean their tools
21 and clothes with?

22 MR. SCOTT: Objection, form.

23 A. Not in the manner that's been described for
24 Mr. Thompson, Mr. Nolen, and if you say these other
25 people said the same thing, I'm very surprised.

1 Q. All right. Do you agree that the best place
2 to have a warning to warn workers about the health
3 hazards of benzene or any other chemical would be at
4 the source where workers would go to get the -- the
5 chemical?

6 MR. SCOTT: Object to the form.

7 A. It usually isn't that simple. The best
8 information is derived out through training programs
9 and awareness programs of their direct employer.

10 MR. BROWN: Let me object to
11 responsiveness.

12 Q. My question was, would you agree that the best
13 place to have a warning is to have it at the source of
14 where the workers would go to get it?

15 MR. SCOTT: Objection, form.

16 A. Yes. Yeah, I think -- I think so.

17 Q. And if there was a 55-gallon drum, there
18 should be an adequate warning right on the side of it
19 telling him, in the case of benzene, don't use this as
20 a cleaner; it can cause cancer and fatal blood disease;
21 don't use without respiratory protection? All of those
22 things should have been told to Mr. Thompson, correct?

23 MR. SCOTT: Object to the form.

24 A. Most of those things should have been on the
25 warning label, not all of them but most of them.

1 Q. Which ones should not have been on the warning
2 label?

3 A. It depends on time and history. One of the
4 ones that you mentioned was causes cancer. That
5 wouldn't have been on at certain time periods because
6 it wasn't known to be true, nor was it necessarily
7 expected to be on the warning label. You have to look
8 at the requirements at the time.

9 MR. BROWN: I don't have any further
10 questions of you at this moment. Thank you for your
11 time again.

12 THE WITNESS: You bet.

13 MR. SCOTT: No more here.

14 THE VIDEOGRAPHER: The time is 2:43. We
15 are going off the record. This will conclude the
16 deposition for today.

17 (Deposition concluded at 6:29 p.m.)
18
19
20
21
22
23
24
25

CHANGES AND SIGNATURE

WITNESS NAME: DENNIS PAUSTENBACH, Ph.D., DABT, CIH

DATE: 2-23-10

PAGE	LINE	CHANGE	REASON
------	------	--------	--------

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25

1 I, DENNIS PAUSTEN BACH, Ph.D., DABT,
 2 CIH, have read the foregoing deposition and hereby
 3 affix my signature that same is true and correct,
 4 except as noted above.

5 _____
 6 DENNIS PAUSTENBACH, Ph.D., DABT, CIH
 7

8 THE STATE OF _____)

9 COUNTY OF _____)
 10

11 Before me, _____, on this
 12 day personally appeared DENNIS PAUSTENBACH, Ph.D.,
 13 DABT, CIH, known to me (or proved to me under oath or
 14 through _____) (description of identity card
 15 or other document) to be the person whose name is
 16 subscribed to the foregoing instrument and acknowledged
 17 to me that they executed the same for the purposes and
 18 consideration therein expressed.

19 Given under my hand and seal of office this
 20 _____ day of _____, 2010.
 21

22 _____
 23 NOTARY PUBLIC IN AND FOR
 24 THE STATE OF _____

25 My commission expires: _____

1 NO. B-176,114

2
3 RICHARD E. NOLEN AND) IN THE DISTRICT COURT
4 WIFE, NITA NOLEN,)
5 Plaintiffs,)
6 VS.) JEFFERSON COUNTY, TEXAS
7 E.I. DUPONT DE NEMOURS &)
8 COMPANY, ET AL.)
9 Defendants.) 60TH JUDICIAL DISTRICT

10 REPORTER'S CERTIFICATION
11 DEPOSITION OF DENNIS PAUSTENBACH, Ph.D., DABT, CIH
12 FEBRUARY 23, 2010

13 I, Therese J. Casterline, Certified Shorthand
14 Reporter in and for the State of Texas, hereby certify
15 to the following:

16 That the witness, DENNIS PAUSTENBACH, Ph.D.,
17 DABT, CIH, was duly sworn by the officer and that the
18 transcript of the oral deposition is a true record of
19 the testimony given by the witness;

20 That the deposition transcript was submitted
21 on _____, 2010, to the witness or to the
22 attorney for the witness for examination, signature and
23 return to me by _____, 2010;

24 That the amount of time used by each party at
25 the deposition is as follows:

Mr. Brown - 5:42

Mr. Scott - 0:27

1 That pursuant to information given to the
2 deposition officer at the time said testimony was
3 taken, the following includes counsel for all parties
4 of record:

5 Mr. Darren L. Brown, attorney for the
6 Plaintiffs

7 Mr. Robert Scott, attorney for the Defendant
8 Univar USA Inc.

9 I further certify that I am neither counsel
10 for, related to, nor employed by any of the parties or
11 attorneys in the action in which this proceeding was
12 taken, and further that I am not financially or
13 otherwise interested in the outcome of the action.

14 Further certification requirements pursuant to
15 Rule 203 of TRCP will be certified to after they have
16 occurred.

17 Certified to by me this 24th day of February,
18 2010.

19
20 Therese J. Casterline, Texas CSR
21 5001, Expiration Date: 12-31-11
22 WORLDWIDE COURT REPORTERS, INC.
23 Firm Registration No. 223
24 3000 Weslayan, Suite 235
25 Houston, Texas 77027
(713) 572-2000

FURTHER CERTIFICATION UNDER RULE 203 TRCP

The original deposition was ___ was not ___
returned to the deposition officer on
_____, 2010;

If returned, the attached Changes and
Signature page contains any changes and the reasons
therefor;

If returned, the original deposition was
delivered to Mr. Darren Brown, Custodial Attorney;

That \$ _____ is the deposition officer's
charges to the Plaintiffs for preparing the original
deposition transcript and any copies of exhibits;

That the deposition was delivered in
accordance with Rule 203.3, and that a copy of this
certificate was served on all parties shown herein and
filed with the Clerk.

Certified to by me this ____ day of
_____, 2010.

Therese J. Casterline

Therese J. Casterline, Texas CSR
5001, Expiration Date: 12-31-11
WORLDWIDE COURT REPORTERS, INC.
Firm Registration No. 223
3000 Wesleyan, Suite 235
Houston, Texas 77027
(713) 572-2000