

SUPERIOR COURT OF THE STATE OF CALIFORNIA
FOR THE COUNTY OF LOS ANGELES
DEPARTMENT NO. 56 HON. JANE L. JOHNSON, JUDGE
DENNIS H. WOODARD, ET AL., }
PLAINTIFFS, }
VS. } NO. BC 387774
ALFA LAVAL, INC., ET AL., }
DEFENDANTS. }

REPORTER'S TRANSCRIPT OF PROCEEDINGS
TUESDAY, JANUARY 13, 2009

(APPEARANCES ON NEXT PAGE.)

VOLUME 1 DANA L. SHELLEY, RPR, CSR #10177
PAGES 1 THROUGH 168 OFFICIAL REPORTER

APPEARANCES (CONT'D.):
FOR DEFENDANT SEPCO CORP.: SELMAN BREITMAN
BY: JERRY C. POPOVICH
600 WEST SANTA ANA BLVD.
SUITE 501
SANTA ANA, CA 92701
(714) 647-9700

FOR DEFENDANT SQUARE D K & L GATES
COMPANY: BY: RAYMOND L. GILL
FOUR EMBARCADERO CENTER
SUITE 1200
SAN FRANCISCO, CA 94111
(415) 249-1088

FOR DEFENDANT WARREN PUMPS: HAWKINS, PARNELL & THACKSTON
BY: EDWARD R. ULLOA
(NOT PRESENT)
444 SOUTH FLOWER STREET
SUITE 1100
LOS ANGELES, CA 90071
(213) 486-8000
HAWKINS & PARNELL
BY: M. ELIZABETH O'NEILL
4000 SUNTRUST PLAZA
303 PEACHTREE STREET NE
ATLANTA, GA 30308
(404) 614-7582

APPEARANCES:
FOR PLAINTIFFS: WATERS KRAUS
BY: GARY M. PAUL
KEVIN LOEW
PAUL C. COOK
(NOT PRESENT)
222 NORTH SEPULVEDA BLVD.
SUITE 1900
EL SEGUNDO, CA 90245
(310) 414-8146

FOR DEFENDANT CRANE CO.: K & L GATES
BY: GEOFFREY M. DAVIS
10100 SANTA MONICA BLVD.
SEVENTH FLOOR
LOS ANGELES, CA 90067
(310) 552-5000

FOR DEFENDANT EATON PRINDLE, DECKER & AMARO
ELECTRICAL: BY: ROBERT H. BARONIAN
(NOT PRESENT)
310 GOLDEN SHORE
FOURTH FLOOR
LONG BEACH, CA 90802
(562) 436-3946

HOWARD ROME MARTIN &
& RIDLEY
BY: LISA A. CORMAN
SHAWN M. RIDLEY
1775 WOODSIDE ROAD
SUITE 200
REDWOOD CITY, CA 94061
(650) 365-7715
GOLDBERG, MILLER & RUBIN
BY: CY GOLDBERG
121 SOUTH BROAD STREET
SUITE 1500
PHILADELPHIA, PA 19107

FOR ELLIOTT COMPANY: WALSWORTH FRANKLIN BEVINS
& MC CALL
BY: THOMAS G. SCULLY
SEAN P. MARTIN
ONE CITY BOULEVARD WEST
FIFTH FLOOR
ORANGE, CA 92868
(714) 634-2522

INDEX FOR TUESDAY, JANUARY 13, 2009
VOLUME 1
MASTER INDEX
CHRONOLOGICAL INDEX OF WITNESSES
PLAINTIFFS' PAGE
WITNESSES: PAGE

BRODKIN, CARL ANDREW

DIRECT EXAMINATION BY MR. PAUL 78

DEFENDANTS'
WITNESSES: PAGE
(NONE.)

ALPHABETICAL INDEX OF WITNESSES

WITNESSES: PAGE
BRODKIN, CARL ANDREW
DIRECT EXAMINATION BY MR. PAUL 78

INDEX FOR TUESDAY, JANUARY 13, 2009 VOLUME 1 MASTER INDEX EXHIBITS JOINT FOR IN WITH- EXHIBITS: I.D. EVIDENCE DRAWN 59A - NETTER DRAWING OF LUNG 135 135 59B - NETTER DRAWING OF LUNG 137 137 59C - PICTURE OF ANATOMIC SPECIMEN 140 141 59D - PICTURE OF ANATOMIC SPECIMEN 140 141	1 1 CASE NUMBER: BC 387774 2 CASE NAME: WOODARD VS. ALFA LAVAL 3 DEPARTMENT: 56 HON. JANE L. JOHNSON 4 REPORTER: DANA SHELLEY, RPR, CSR #10177 5 LOS ANGELES, CALIFORNIA FRIDAY, JANUARY 2, 2009 6 TIME: 9:43 A.M. 7 APPEARANCES: (AS HERETOFORE NOTED.) 8 9 (THE FOLLOWING PROCEEDINGS WERE HELD IN 10 OPEN COURT, IN THE PRESENCE OF THE JURY:) 11 THE COURT: A COUPLE OF THINGS. WE'RE GOING TO 12 MOVE ON TO THE NEXT PORTION OF OUR TRIAL, BUT THERE'S A 13 COUPLE OF THINGS. 14 FIRST, I DO WANT TO REMIND YOU AGAIN: 15 PLEASE, PLEASE, PLEASE BE ON TIME. 16 SECONDLY, ELLIOTT COMPANY IS NO LONGER A 17 PARTY TO THIS CASE. DO NOT SPECULATE AS TO WHY ELLIOTT 18 IS NO LONGER INVOLVED IN THE CASE, AND YOU SHOULD NOT 19 CONSIDER THIS DURING YOUR DELIBERATIONS. 20 ALL RIGHT. SO AS I SAID, WE'RE GOING TO 21 MOVE ON TO OUR OPENING STATEMENTS. 22 MR. PAUL. 23 MR. PAUL: THANK YOU VERY MUCH, YOUR HONOR. 24 WITH THE COURT'S PERMISSION, MAY I? 25 THE COURT: YES. 26 MR. PAUL: THANK YOU. 27 (TO JUROR NO. 7:) SIR, I'M GOING TO MOVE 28 THIS (REFERRING TO HEARING DEVICE). IF IT CAUSES A
1 1 CASE NUMBER: BC 3874 2 CASE NAME: WOODARD VS. ALFA LAVAL 3 DEPARTMENT: 56 HON. JANE L. JOHNSON 4 REPORTER: DANA SHELLEY, RPR, CSR #10177 5 LOS ANGELES, CALIFORNIA TUESDAY, JANUARY 13, 2009 6 TIME: 9:15 A.M. 7 APPEARANCES: (AS HERETOFORE NOTED.) 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25 26 27 28	2 1 PROBLEM, LET ME KNOW. 2 CAN YOU STILL HEAR ME? YOU DON'T HAVE YOUR 3 HEADPHONES ON. 4 THE COURT: YOU HAVE TO HAVE THEM ON. SERIOUSLY, 5 YOU MUST HAVE THEM ON DURING -- 6 JUROR NO. 7: I MUST? 7 THE COURT: YES, YOU MUST. 8 JUROR NO. 7: IS THAT THE LAW? 9 THE COURT: BECAUSE IF YOU CAN'T HEAR THE 10 EVIDENCE, YOU WON'T BE ABLE TO DELIBERATE. 11 JUROR NO. 7: WHEN I CAN'T HEAR, I PUT IT ON. OR 12 IF SOMEBODY IS GOING TO SAY SOMETHING, I'LL PUT IT ON. 13 THE COURT: HOW DO YOU KNOW WHEN YOU CAN'T HEAR? 14 JUROR NO. 7: I CAN SEE YOU SPOKE. 15 THE COURT: RIGHT; BUT I'M LOOKING DIRECTLY AT 16 YOU. HOW DO YOU KNOW WHEN YOU CAN'T HEAR? 17 WELL, YOU KNOW BETTER THAN ANYONE. 18 JUROR NO. 7: IF I CAN'T HEAR, I CAN'T HEAR. 19 THE COURT: ALL RIGHT. WE TRUST YOU. 20 JUROR NO. 7: ALL RIGHT. 21 JUROR NO. 12: ARE WE ALLOWED TO TAKE NOTES DURING 22 OPENING STATEMENTS? 23 THE COURT: YOU'RE GOING TO BE GIVEN NOTEBOOKS AT 24 THE CONCLUSION OF THE OPENING STATEMENTS. 25 JUROR NO. 12: OKAY. 26 THE COURT: REMEMBER, THE OPENING STATEMENTS ARE 27 NOT EVIDENCE. AND THAT IS KIND OF LIKE -- IT'S A SYMBOL 28 FOR YOU TO REALLY CLEARLY GET THAT IT'S NOT EVIDENCE

3 1 THANK YOU. 2 PLEASE, MR. PAUL. 3 MR. PAUL: THANK YOU. 4 GOOD MORNING, EVERYONE. I WANT TO THANK THE 5 COURT AND MY CLIENTS, MR. AND MRS. WOODARD, WHO ARE WITH 6 US TODAY. AND I WANT TO THANK YOU. 7 I KNOW THAT THIS IS AN IMPOSITION ON MANY OF 8 YOU TO SERVE AS JURORS AND TO GIVE UP THE TIME THAT YOU 9 HAVE TO GIVE UP FOR HEARING THE TESTIMONY AND THE 10 EVIDENCE IN THIS CASE. AND MY CLIENTS AND I AND MY 11 CO-COUNSEL, MR. LOEW AND MS. RICE, GREATLY APPRECIATE 12 IT, AS I'M SURE THE DEFENSE DOES, AS WELL. 13 OKAY. THIS IS AN OPENING STATEMENT. THIS 14 IS WHAT I BELIEVE THE EVIDENCE IS GOING TO DEMONSTRATE 15 IN THIS CASE, WHEN YOU HAVE A CHANCE TO HEAR FROM ALL 16 THE WITNESSES WHO ARE GOING TO BE TESTIFYING LIVE TO YOU 17 IN COURT; AND THE WITNESSES, ACTUALLY, YOU'RE GOING TO 18 BE HEARING BY VIDEOTAPE; AND THE DOCUMENTS THAT YOU'RE 19 EVENTUALLY GOING TO REACH IN THIS CASE -- OR READ IN 20 THIS CASE. 21 SO WHAT IS THIS CASE ABOUT? YOU HAVEN'T 22 HEARD ANY OF THE EVIDENCE YET, BUT HERE'S WHAT I BELIEVE 23 THE EVIDENCE IS GOING TO DEMONSTRATE TO YOU. 24 THIS CASE IS ABOUT THE WORD "ASBESTOS." YOU 25 ARE GOING TO LEARN MORE ABOUT THAT WORD THAN YOU EVER 26 WANTED TO LEARN IN YOUR LIFE. WE'RE GOING TO SPEND A 27 LOT OF TIME TEACHING YOU, SCIENTIFICALLY, WHAT IT IS AND 28 THE IMPACT THAT IT HAS ON THE HUMAN BODY. AND WHAT	5 1 GOING TO RESULT IN A RISK OF DEATH TO HIM, AND HE NEVER 2 HAD THE OPPORTUNITY TO PROTECT HIMSELF FROM THAT 3 POTENTIAL RISK OF DEATH. 4 NOW, THIS CASE IS GOING TO BREAK DOWN, FROM 5 OUR STANDPOINT AND WHAT WE'RE GOING TO TALK TO YOU 6 ABOUT, INTO THREE PARTS. WE'RE GOING TO TALK TO YOU, AS 7 JURORS, ABOUT TWO AREAS AT THE BEGINNING: KNOWLEDGE AND 8 SILENCE. 9 I'LL TALK TO YOU A LITTLE BIT MORE IN 10 DETAIL, BUT THE EVIDENCE IS GOING TO DEMONSTRATE IN THIS 11 CASE THAT THE KNOWLEDGE OF THE DANGER OF ASBESTOS WAS 12 WELL KNOWN BY THE TIME THAT MY CLIENT, MR. WOODARD, WAS 13 WORKING WITH IT IN THE NAVY, BUT THAT THERE WAS TOTAL 14 SILENCE FROM THESE DEFENDANTS TO THE PEOPLE WHO WORKED 15 WITH THEIR PRODUCTS AS TO THAT POTENTIAL OF DANGER. 16 THE SECOND AREA THAT WE'RE GOING TO TALK TO 17 YOU ABOUT IS WHY THIS PRODUCT IS DANGEROUS. WHAT IS IT 18 THAT CAUSES ASBESTOS -- IN ALL OF ITS FORMS, WE'LL 19 DEMONSTRATE TO YOU -- TO BE SOMETHING THAT POTENTIALLY 20 CAN HARM A HUMAN BEING? 21 THE THIRD AREA THAT WE'RE GOING TO TALK TO 22 YOU ABOUT IS THE TOLL THAT ASBESTOS TOOK ON MR. WOODARD; 23 THAT IS, THE EFFECT ON HIS LIFE MEDICALLY, FINANCIALLY, 24 AND THE WAY THAT IT HAS AFFECTED HIM AND HIS WIFE IN 25 THEIR LIVES. 26 SO THOSE ARE THE THREE AREAS WE'RE GOING TO 27 BREAK THE CASE DOWN INTO, AND YOU'RE GOING TO BE HEARING 28 SOME TESTIMONY ABOUT IT.
4 1 WE'RE GOING TO TALK ABOUT IS THE EFFECT OF ASBESTOS AS 2 IT AFFECTS SOMEONE WHO IS A CONSUMER OR SOMEONE WHO 3 COMES IN CONTACT WITH IT. 4 WE WILL INDICATE IN THIS CASE THAT WHAT 5 HAPPENED IS THAT MR. WOODARD. IN THE WORK THAT HE DID 6 IN THE NAVY, WAS A PERSON WHO HAD TO WORK AROUND THIS 7 PRODUCT CALLED ASBESTOS. AND THE RESULT OF WORKING 8 AROUND IT RESULTED IN SOMETHING THAT WE WILL TALK ABOUT 9 CAUSED THE RISK, WHAT RISK DID IT CAUSE TO BE AROUND 10 ASBESTOS. AND WHAT WE'RE GOING TO DEMONSTRATE TO YOU IS 11 THAT THE RISK IS DEATH. 12 THAT IS THE PROBLEM WITH ASBESTOS: WHEN YOU 13 WORK WITH IT AND AROUND IT, THE POTENTIAL RISK IS THAT 14 IT'S GOING TO TAKE YOUR LIFE. 15 WE'RE GOING TO DEMONSTRATE IN THIS CASE THAT 16 THERE WAS A FAILURE ON THE PART OF THESE DEFENDANTS TO 17 WARN MR. WOODARD OF THE DANGER OF THE ASBESTOS THAT WAS 18 IN THEIR PRODUCT AND THAT THAT FAILURE TO WARN TOOK AWAY 19 HIS ABILITY TO PROTECT HIMSELF. 20 WE WILL DEMONSTRATE THROUGH THE EVIDENCE 21 THAT PEOPLE CAN PROTECT THEMSELVES WHEN THEY KNOW WHAT 22 THE DANGER IS AND WHAT THE PROBLEM IS. IF YOU DON'T 23 KNOW THE DANGER AND YOU DON'T KNOW THE STEPS THAT CAN BE 24 TAKEN TO PROTECT YOURSELF, YOU CAN'T PROTECT YOURSELF. 25 AND YOU'RE GOING TO HEAR IN THIS CASE THAT 26 MR. WOODARD NEVER HAD ANY RESPIRATORY EQUIPMENT TO 27 PROTECT HIMSELF. HE HAD NO ABILITY TO KNOW THAT HIS 28 WORK WITH THEIR PRODUCTS THAT CONTAINED ASBESTOS WAS	6 1 SO WHAT DO I MEAN BY "KNOWLEDGE AND 2 SILENCE"? ONE OF THE THINGS THAT WE'RE GOING TO 3 DEMONSTRATE IN THIS CASE: SILENCE. ONE OF THE THINGS 4 WE'RE GOING TO DEMONSTRATE IN THIS CASE IS, THESE 5 DEFENDANTS KNEW OR SHOULD HAVE KNOWN AT THE TIME THAT MY 6 CLIENT WAS USING THESE PRODUCTS THAT IT WAS A DANGER TO 7 HIM TO BE WORKING WITH THEIR PRODUCTS. 8 WE'RE GOING TO DEMONSTRATE TO YOU THAT THESE 9 DEFENDANTS NOT ONLY KNEW OR SHOULD HAVE KNOWN, BUT THAT 10 THEY NEVER TESTED THEIR PRODUCTS TO FIND OUT IF THEY 11 WERE SAFE FOR HUMAN BEINGS TO BE AROUND THEM AND THAT 12 THE KNOWLEDGE THAT THEY HAD OR SHOULD HAVE KNOWN WAS 13 NEVER GIVEN TO THE PEOPLE WHO HAD TO WORK WITH THEIR 14 PRODUCTS. 15 LET'S TALK ABOUT MR. WOODARD JUST FOR A 16 SECOND. DENNIS WOODARD WAS BORN ON SEPTEMBER 9, 1942. 17 SO HE'S NOW 66 YEARS OF AGE. HE'S A COLLEGE GRADUATE. 18 HE HAS A BACHELOR OF ARTS IN PUBLIC ADMINISTRATION. 19 HE'S MARRIED TO MYRA WOODARD. THAT'S 20 PROBABLY THE LAST TIME I'LL SAY "MYRA" BECAUSE SHE 21 DOESN'T LIKE THAT NAME. SHE LIKES TO GO BY THE NAME 22 "JEANNIE," SO I WILL REFER TO HER AS "JEANNIE WOODARD." 23 HE'S A FATHER. HE'S A GRANDFATHER. 24 IN THE NAVY, HE WAS WHAT WAS CALLED A 25 FIREMAN APPRENTICE -- AND I'LL EXPLAIN WHAT THAT TERM 26 MEANS IN A LITTLE BIT -- AND HE WAS AN ELECTRICIAN'S 27 MATE. 28 AND ONCE HE GOT OUT OF THE NAVY, HE DID A

1 COUPLE SMALL JOBS. HE THEN WENT INTO CITY GOVERNMENT.
2 AND FOR OVER 40 YEARS, HE SERVED AS CITY MANAGER OF
3 TOWNS IN TEXAS. AND IN FACT, HIS LAST JOB THAT HE LEFT
4 AS A RESULT OF HIS ILLNESS, HE HAD BEEN CITY MANAGER OF
5 THAT CITY FOR OVER 20 YEARS.

6 AND YOU'RE GOING TO HEAR THAT HE WAS
7 DIAGNOSED WITH MESOTHELIOMA ON JANUARY 16 -- I'M SORRY,
8 2008. I WAS JUST THINKING, GOSH, THAT'S ALMOST EXACTLY
9 ONE YEAR AGO. I GUESS TODAY IS THE 13TH OF JANUARY.

10 NOW, HOW WAS HE EXPOSED TO THIS ASBESTOS IN
11 THE NAVY? WHAT THE EVIDENCE IS GOING TO INDICATE TO YOU
12 IS THAT HE WAS IN THE NAVY FROM 1961 TO 1965. YOU'LL
13 HEAR HIM TESTIFY ABOUT IT. HE SAID, "I JUST
14 VOLUNTEERED. I THOUGHT IT WOULD BE A GOOD THING TO DO
15 TO GO INTO THE NAVY." HE VOLUNTEERED AND HE WENT INTO
16 THE NAVY.

17 ONCE HE GOT INTO THE NAVY, THEY ALWAYS TRY
18 TO TEACH YOU TO DO SOMETHING. SO WHEN HE GOT INTO THE
19 NAVY, THEY TAUGHT HIM FIRST TO BE WHAT'S CALLED A
20 FIREMAN APPRENTICE.

21 LET ME EXPLAIN WHAT THAT TERM MEANS.
22 "FIREMAN" DOESN'T MEAN FIREFIGHTER. IT BASICALLY MEANS
23 SOMEBODY WHO WORKS IN THE BOILER AREA OR THE MACHINERY
24 SPACES OF A SHIP.

25 THOSE OF YOU WHO SAW "TITANIC," YOU REMEMBER
26 THEY WERE SHOVELING COAL IN. THOSE WERE PEOPLE STOKING
27 THE FIRE. THAT'S HOW THEY MAKE THESE SHIPS OPERATE.
28 BASICALLY, THEY'RE STEAM-DRIVEN SHIPS.

1 SO THE PEOPLE WHO END UP WORKING IN THOSE
2 MACHINERY SPACES -- THE FIRST PLACE THEY START, WHERE
3 THEY LEARN THE MACHINERY, THEY LEARN WHAT'S IN THOSE
4 MACHINERY SPACES ON A SHIPS -- ARE CALLED FIREMEN. AND
5 THEY'RE -- THE LOWEST POSITION IS APPRENTICE. YOU
6 BASICALLY GO ONTO A SHIP TO LEARN YOUR JOB, YOUR TASK,
7 AFTER SOME BASIC TRAINING.

8 BUT HE ALSO WAS BEING TRAINED IN ANOTHER
9 POSITION, AND THAT IS TO BECOME AN ELECTRICIAN. YOU'LL
10 FIND OUT THERE'S A GREAT DEAL OF ELECTRICAL SUPPLY
11 REQUIREMENTS ON BOARD SHIPS. AND AGAIN, IT'S ALL DRIVEN
12 BY THIS EQUIPMENT THAT EXISTS IN THE MACHINERY SPACES.
13 THAT'S WHAT HELPS POWER THE SHIP, THROUGH GENERATORS, ET
14 CETERA. AND ONE OF THE THINGS HE WAS REQUIRED TO DO WAS
15 TO WORK WITH THE ELECTRICAL EQUIPMENT ON THE SHIP.

16 NOW, IN DOING THIS JOB, YOU'RE GOING TO HEAR
17 HE HAD TO WORK AROUND THE EQUIPMENT OF THESE DEFENDANTS.
18 AND BEING AN APPRENTICE, BEING SOMEONE THAT'S IN THE
19 MACHINERY SPACES, IF SOMEBODY NEEDED A HAND ON
20 WORKING -- IF THEY WERE WORKING ON A PUMP OR THEY WERE
21 WORKING ON SOME PIECE OF EQUIPMENT, THEY'D SAY, "YOU,
22 WOODARD, COME HERE. I NEED YOUR HELP. HELP ME HOLD
23 THIS WRENCH. HELP ME TAKE THIS PART OFF. HELP WORK
24 WITH ME," AS THEY'RE REPAIRING THIS EQUIPMENT.

25 AND IN DOING THAT, YOU'LL HEAR THAT HE WAS
26 EXPOSED TO ASBESTOS, AS I'LL TALK ABOUT IN A FEW MORE
27 MINUTES. YOU'LL HEAR THAT HE WAS ALSO EXPOSED TO
28 ASBESTOS BECAUSE THE EQUIPMENT, THE ELECTRICAL EQUIPMENT

1 THAT HE -- LET ME GO BACK A STEP.

2 YOU ARE GOING TO HEAR THAT ON NAVY SHIPS IN
3 THIS PERIOD OF TIME -- THESE SHIPS WERE BUILT IN THE
4 '40S, DURING WORLD WAR II. THERE WAS A GREAT DEAL OF
5 SHIP-BUILDING, AS YOU CAN IMAGINE, DURING WORLD WAR II.
6 THEY HAD TO COME UP WITH HUGE FLEETS OF SHIPS TO FIGHT
7 THE WAR BOTH IN EUROPE AND IN JAPAN. AND SO THEY BUILT
8 THOUSANDS OF SHIPS DURING THAT PERIOD OF TIME.

9 ONE OF THE THINGS THAT THEY KNEW WAS, THEY
10 HAD TO INSULATE OR KEEP THESE AREAS SAFE FOR THESE
11 WORKERS, THESE SEAMEN WHO WERE DOWN THERE WORKING ON
12 THIS EQUIPMENT.

13 AND IN THESE AREAS WHERE THEY HAVE BOILERS
14 AND STEAM POWER, TWO THINGS HAVE TO BE REQUIRED. NUMBER
15 ONE, YOU'VE GOT TO PROTECT THE WORKERS FROM COMING IN
16 CONTACT WITH THESE PIPES, WHICH ARE CARRYING VERY HOT
17 OILS AND STEAM, AND TEMPERATURES OF 800 DEGREES IN SOME
18 OF THESE PIPES.

19 SO ONE OF THE THINGS THEY WOULD DO IS PUT
20 INSULATION, OF COURSE, AROUND THIS EQUIPMENT AND AROUND
21 THESE PIPES SO, IF THE SAILOR CAME IN CONTACT WITH THEM,
22 HE WOULDN'T BE BURNED.

23 THE OTHER REASON YOU WOULD INSULATE THEM IS,
24 YOU'D INSULATE THEM SO YOU COULD KEEP THE HEAT IN. IT
25 MAKES A MORE EFFICIENT SYSTEM; YOU DON'T WANT THE HEAT
26 ESCAPING. SO THEY WOULD PUT THIS INSULATION AROUND IT.

27 ONE OF THE THINGS THAT THEY USED A GREAT
28 DEAL OF IN THE NAVY WAS ASBESTOS, FOR INSULATION.

1 FIREPROOF; DIDN'T HAVE TO WORRY ABOUT SHIPS CATCHING ON
2 FIRE BECAUSE ASBESTOS IS FIREPROOF. IT'S LIGHTWEIGHT,
3 GENERALLY. AND SO THE NAVY DID USE A GREAT DEAL OF
4 ASBESTOS ON ITS SHIPS FOR INSULATION.

5 AND YOU WILL HEAR THESE PRODUCTS MADE BY
6 THESE DEFENDANTS, AS WELL, USED ASBESTOS.

7 MR. LOEW: LET ME GIVE YOU THIS.

8 (HANDS NOTE TO MR. PAUL.)

9 MR. PAUL: OKAY. THANK YOU.

10 THINGS CHANGE WHILE YOU'RE GIVING YOUR
11 OPENING STATEMENT, YOUR HONOR.

12 WHERE WAS I BEFORE I WAS SO RUDELY
13 INTERRUPTED.

14 NO, I'M KIDDING. THAT WAS A FACETIOUS
15 COMMENT.

16 (LAUGHTER.)

17 MR. PAUL: "JURY LAUGHS."

18 SO --

19 CAN I HAVE THE LAST THING I SAID BEFORE?

20 I'M SORRY, YOUR HONOR.

21 (RECORD READ BY THE REPORTER AS FOLLOWS:

22 "...ASBESTOS IS FIREPROOF. IT'S

23 LIGHTWEIGHT, GENERALLY. AND SO THE NAVY DID
24 USE A GREAT DEAL OF ASBESTOS ON ITS SHIPS FOR
25 INSULATION.

26 "AND YOU WILL HEAR THESE PRODUCTS MADE
27 BY THESE DEFENDANTS, AS WELL, USED ASBESTOS."
28 MR. PAUL: OKAY.

11

1 AND SO YOU'LL HEAR DISCUSSION IN THIS CASE
2 ABOUT WHY THEY USED ASBESTOS. DID THE NAVY REQUIRE IT,
3 OR DID THEY KNOW IT WAS GOING TO BE ON THEIR EQUIPMENT?
4 WE BELIEVE THE EVIDENCE IS GOING TO
5 INDICATE, YES, THE NAVY DID INDICATE THAT ASBESTOS COULD
6 BE USED OR SHOULD BE USED; BUT WE ALSO WILL INDICATE
7 THAT THESE DEFENDANTS KNEW THEY WERE USING ASBESTOS AND
8 THAT THEY KNEW OR SHOULD HAVE KNOWN WHAT THE RISKS WERE
9 FROM USING THAT ASBESTOS.
10 WE'LL INDICATE TO YOU IN THE EVIDENCE THAT
11 NOT ONLY DID THESE PRODUCTS CONTAIN ASBESTOS, BUT THAT
12 IT WAS WELL KNOWN AT THAT POINT IN TIME, IN THE MEDICAL
13 LITERATURE AND THE SCIENTIFIC LITERATURE, THAT IT CAUSED
14 CANCER AND DEATH.
15 NOW, WHY DID THE COMPANIES END UP USING
16 ASBESTOS IN THEIR EQUIPMENT? WHY WOULD THEY DO THAT?
17 WELL, YOU'RE GOING TO HEAR THAT ONE OF THE
18 LARGEST CUSTOMERS THAT YOU CAN HAVE AS A COMPANY IS THE
19 U.S. NAVY. AS I TOLD YOU, THEY WERE BUILDING THOUSANDS
20 OF SHIPS, AND IT WAS A CONTINUING PROCESS. SHIPS WOULD
21 BE LOST IN THE WAR -- IN WORLD WAR II, IN KOREA -- OR
22 SHIPS GOT OLD, AND THEY'D HAVE TO BUILD NEW SHIPS.
23 SO THE EVIDENCE IS GOING TO DEMONSTRATE TO
24 YOU THAT THESE COMPANIES WERE SUPPLIERS TO THE NAVY.
25 THEY WANTED THAT BUSINESS. IT WAS GOOD FOR THEIR BOTTOM
26 LINE. WE'RE GOING TO INDICATE TO YOU THAT THAT WAS
27 SOMETHING THEY WERE AWARE OF AND KNEW, AND THEY WANTED
28 TO KEEP THAT BUSINESS, IN SPITE OF THE FACT THAT THEY

12

1 WERE USING ASBESTOS AND THEY KNEW OR SHOULD HAVE
2 KNOWN --
3 MR. GILL: OBJECTION, YOUR HONOR. THAT'S
4 ARGUMENTATIVE.
5 THE COURT: SUSTAINED.
6 MR. PAUL: THE EVIDENCE WILL INDICATE THAT THEY
7 DID AGREE AND CONTINUED TO USE ASBESTOS, EVEN THOUGH
8 THEY KNEW OR SHOULD HAVE KNOWN THAT THIS ASBESTOS WAS
9 HARMFUL TO HUMAN HEALTH.
10 SO LET'S TALK ABOUT THE COMPANIES THAT WE'LL
11 BE DISCUSSING WITH YOU IN THIS CASE.
12 WE'RE GOING TO BE TALKING TO YOU ABOUT A
13 COMPANY CALLED SQUARE D, WHO MADE ELECTRONIC --
14 ELECTRICAL COMPONENTS. THEY MADE BOARDS THAT WENT IN
15 CONTROLLERS. THEY ENDED UP MAKING WHAT ARE CALLED ARC
16 SHIELDS. YOU'RE GOING TO LEARN A LOT ABOUT ELECTRICAL
17 EQUIPMENT IN THIS CASE, AS WELL.
18 AND THE EVIDENCE, WE BELIEVE, IS GOING TO
19 DEMONSTRATE TO YOU THAT SQUARE D PUT INTO THESE BOARDS
20 AND INTO THESE PRODUCTS THAT THEY MADE FOR THE U.S. NAVY
21 A SIGNIFICANT AMOUNT OF ASBESTOS, POTENTIAL EXPOSURE TO
22 THE PEOPLE USING THEIR PRODUCT.
23 WE'RE GOING TO BE TALKING TO YOU ABOUT A
24 COMPANY CALLED CRANE, CRANE CO. THIS IS A COMPANY THAT
25 MADE VALVES, HUNDREDS AND THOUSANDS OF VALVES, FOR THE
26 U.S. NAVY. YOU WILL HEAR THAT THESE SHIPS WERE PACKED
27 WITH VALVES. BECAUSE IN THESE ENGINE ROOMS, THEY HAD TO
28 CONTROL THE STEAM, THE OIL, THE WATER THAT WOULD BE USED

13

1 IN POWERING THESE SHIPS, THE VALVES THAT THEY USED WERE
2 HUGE VALVES.
3 SOMEBODY TALKED ABOUT IT IN ONE OF THEIR --
4 I THINK, IN ONE OF THEIR MINI OPENINGS. IT'S NOT LIKE
5 YOUR FAUCET. IT WORKS THE SAME KIND OF WAY. BUT WE'RE
6 TALKING ABOUT VALVES THAT ARE HUGE, HUNDREDS OF POUNDS.
7 AND THESE VALVES, YOU'RE GOING TO HEAR,
8 CONTAINED ASBESTOS INTERNALLY IN THE FORM OF GASKETS AND
9 PACKING.
10 AND THE EVIDENCE WILL DEMONSTRATE THAT THEY
11 KNEW THAT ON THE OUTSIDE OF THEIR VALVES -- BECAUSE THEY
12 HAD TO MOVE THESE HOT LIQUIDS, AND THEY KNEW THEY WERE
13 GOING TO BE AROUND SAILORS, THAT THEY KNEW IT WAS GOING
14 TO BE COVERED WITH ASBESTOS MATERIAL, TO INSULATE THEM
15 FROM THE SAILORS WHO MIGHT BE AROUND THEM.
16 CUTLER-HAMMER, OR EATON -- EATON IS THE
17 ESSENTIALLY THE NEW NAME OF CUTLER-HAMMER. YOU WILL
18 HEAR, THE SAME AS FOR SQUARE D, THAT CUTLER-HAMMER MADE
19 ELECTRICAL EQUIPMENT; SAME KIND OF THINGS THAT SQUARE D
20 DID: THESE BOARDS AND THIS ELECTRICAL EQUIPMENT. AND
21 THAT JUST LIKE SQUARE D, IT CONTAINED SIGNIFICANT
22 AMOUNTS OF ASBESTOS THAT MY CLIENT ENDED UP WORKING
23 AROUND.
24 YOU WILL HEAR ABOUT A COMPANY CALLED SEPSCO.
25 SEPSCO MADE GASKETS AND PACKING, THE KIND OF THINGS THAT
26 WOULD GO IN THESE VALVES. AND WHAT YOU WILL HEAR IN
27 THIS CASE IS THAT THESE PARTS WOULD WEAR DOWN, THESE
28 GASKETS THAT WOULD CONNECT THESE --

14

1 LET ME GIVE YOU AN EXAMPLE. YOU HAVE A
2 VALVE, AND IT CONNECTS TO A PIPE. WHAT THEY HAVE TO DO
3 IS, THEY HAVE TO CONNECT THEM TOGETHER, SORT OF LIKE
4 YOUR GARDEN HOSE. AND YOU KNOW HOW, IN YOUR GARDEN
5 HOSE, YOU HAVE THAT LITTLE THING INSIDE, THAT RUBBER
6 THING, SO THAT IT DOESN'T LEAK? IN THE SAME FASHION,
7 THEY USE GASKETS BETWEEN THE PIPE AND THE VALVE TO KEEP
8 IT FROM LEAKING.
9 THESE GASKETS WERE MADE ESSENTIALLY OUT OF
10 80 TO 90 PERCENT ASBESTOS.
11 SO WHEN YOU SEE A CONNECTION WITH A VALVE OR
12 YOU SEE A CONNECTION WITH A PUMP -- YOU'LL HEAR THAT THE
13 GASKETS THAT MR. WOODARD RECALLS WORKING WITH ON THAT
14 SHIP WERE MADE BY SEPSCO, AND THOSE GASKETS CONTAIN
15 ASBESTOS.
16 NOW, LET'S GO TO THE DANGER, JUST FOR A
17 SECOND. WHAT IS THE DANGER THAT WE'RE TALKING ABOUT?
18 THE EVIDENCE IN THIS CASE WILL BE, AS EARLY AS THE 1930S
19 IN THE UNITED STATES -- AND ACTUALLY, WORLDWIDE, IN THE
20 MEDICAL LITERATURE; AND I'LL TALK TO YOU A LITTLE MORE
21 ABOUT SOME OF THE DETAIL -- THAT IT WAS KNOWN BY THAT
22 TIME THAT ASBESTOS WAS A KILLER, THAT IT WOULD KILL
23 HUMAN BEINGS, IN SUFFICIENT DOSES.
24 YOU'LL HEAR BY THE 1940S THAT STUDIES
25 CONFIRMED THAT ASBESTOS CAUSED CANCER, LUNG CANCER. AND
26 YOU'LL HEAR AN EXPERT COME IN AND TESTIFY THAT THERE
27 WERE ALREADY ARTICLES APPEARING IN THE 1940S, TALKING
28 ABOUT THIS STRANGE DISEASE, CANCER, THAT WAS ATTACKING

15 1 THE LINING OF THE LUNG -- NOT THE INSIDE OF THE LUNG, 2 BUT THE LINING OF THE LUNG -- WHICH TURNS OUT TO BE 3 MESOTHELIOMA. THIS IS IN THE 1940S. 4 YOU'LL HEAR BY THE 1960S THERE WERE 5 LITERALLY THOUSANDS OF ARTICLES TALKING ABOUT THE 6 DANGERS OF ASBESTOS AND THE FACT THAT ASBESTOS CAUSED 7 CANCER AND MESOTHELIOMA. 8 LET ME TALK TO YOU A LITTLE BIT ABOUT THE 9 TIME LINE OF THE EVENTS THAT WE'RE TALKING ABOUT. LET 10 ME JUST GIVE YOU A COUPLE DATES THAT YOU'LL HEAR AGAIN 11 LATER IN THIS CASE. 12 1927. A DOCTOR NAMED COOKE, IN ENGLAND, 13 DOES AN AUTOPSY ON ONE OF HIS PATIENTS, WRITES A MEDICAL 14 PAPER, AND IS THE PERSON WHO INVENTED THE WORD 15 ASBESTOSIS. IN 1927, HE SAID ASBESTOS AND THIS DISEASE, 16 ASBESTOSIS -- WHICH IS BASICALLY A SCARRING OF THE 17 LUNGS -- KILLED HIS PATIENT. 18 1930. THERE'S A FAMOUS REPORT DONE BY TWO 19 PEOPLE IN ENGLAND CALLED MEREWETHER AND PRICE. 20 MEREWETHER WAS BASICALLY LIKE THE HEALTH SERVICES 21 MINISTER FOR THE ENTIRE COUNTRY OF ENGLAND. AND THEY'RE 22 GETTING ALL THESE REPORTS OUT OF THEIR ASBESTOS-WEAVING 23 FACTORIES -- 24 THEY USED ASBESTOS TO WEAVE PRODUCTS, 25 LIKE -- YOU KNOW, THE CURTAINS THAT THEY'D HAVE AT 26 THEATERS, THOSE WOULD BE MADE OUT OF ASBESTOS. YOU LOOK 27 AT OLD MOVIES, YOU'LL SEE THEY'LL SAY "ASBESTOS" ON THE 28 CURTAIN. THEY USED IT BECAUSE OF THE FIRE, POTENTIAL	17 1 LIMITATIONS ON THE USE OF ASBESTOS IN THIS COUNTRY. BY 2 THE 1940S, OVER 40 STATES IN THE UNITED STATES, YOU'LL 3 HEAR, HAD ENACTED RULES AND REGULATIONS OF HOW MUCH 4 EXPOSURE YOU WERE ALLOWED TO ASBESTOS. CALIFORNIA DID 5 IT IN 1938 AND '39, SAYING HOW MUCH A WORKER IS ALLOWED 6 TO BE EXPOSED TO THIS DISEASE. 7 AND YOU'LL HEAR A LOT OF NUMBERS KICKED 8 AROUND, BUT ONE OF THE NUMBERS YOU'RE GOING TO HEAR IS 9 5 MILLION PARTICLES PER CUBIC FOOT. 10 WHAT DOES THAT MEAN? HERE'S A CUBIC FOOT, 11 ROUGHLY. THAT MEANS YOU'RE ALLOWED TO HAVE 5 MILLION 12 PARTICLES, INTERNAL. THAT'S THE AMOUNT OF EXPOSURE YOU 13 WERE ALLOWED TO ASBESTOS IN CALIFORNIA AND BASICALLY ALL 14 AROUND THE COUNTRY. 15 THE IMPORTANT THING ABOUT THAT IS THAT 16 NUMBER IS EXCEEDED. ONE WAY OF THINKING ABOUT IT: THE 17 EXPERTS WILL TELL YOU THAT NUMBER IS EXCEEDED IF YOU CAN 18 SEE THE DUST. IF YOU SEE THE DUST IN THE AREA, YOU HAVE 19 EXCEEDED 5 MILLION PARTICLES PER CUBIC FOOT. 20 AND YOU'LL HEAR MR. WOODARD TALK ABOUT HOW 21 DUSTY HIS WORK WAS. 22 1935, LYNCH AND SMITH WRITE A PAPER. 23 BY THE WAY, THESE PAPERS I'M TALKING ABOUT 24 WERE PUBLISHED IN MEDICAL JOURNALS. THE ARTICLE BY 25 MEREWETHER AND PRICE, WHICH WAS WRITTEN IN THE 1930S, 26 WAS IN THE LIBRARIES IN THE UNITED STATES WITHIN SIX 27 MONTHS AFTER IT WAS WRITTEN. DR. COOKE'S ARTICLE WAS 28 AVAILABLE ALL OVER THE UNITED STATES.
16 1 FIRE DANGER. 2 THEY USED IT TO MAKE GLOVES. THEY USED IT 3 TO MAKE POTHOLDERS. I MEAN, FOR THOSE OF US WHO -- 4 WELL, IF YOU'RE OLD ENOUGH, YOU'LL KNOW BACK IN THE '40S 5 AND '50S, POTHOLDERS WERE MADE OUT OF ASBESTOS. 6 THESE PRODUCTS WERE WEADED. WELL, ONE OF 7 THE THINGS THEY FOUND OUT IN THESE FACTORIES IS THAT A 8 HUGE NUMBER OF THE PEOPLE -- USUALLY WOMEN -- WHO WERE 9 WORKING WITH THESE PRODUCTS WERE COMING DOWN WITH THESE 10 ASBESTOS DISEASES. 11 AND THEY BECAME VERY CONCERNED ABOUT IT, AND 12 DID THIS HUGE STUDY -- MEREWETHER AND PRICE -- TO FIND 13 OUT WHAT'S GOING ON. 14 THEY WENT INTO THE FACTORIES. THEY 15 DETERMINED THAT THIS WAS A MAJOR PROBLEM. AND THE MOST 16 IMPORTANT THING, OR ONE OF THE MOST IMPORTANT THINGS, 17 THAT CAME OUT OF THIS REPORT IN 1930 IS HOW TO PROTECT 18 PEOPLE FROM GETTING ASBESTOS DISEASE. 19 IN 1930, THEY WROTE YOU HAD TO DO THE 20 FOLLOWING THINGS: WET DOWN THE AREA, BECAUSE IT KEEPS 21 THE DUST OUT OF THE AIR. GIVE THE PEOPLE WORKING AROUND 22 IT RESPIRATORY PROTECTION, SOMETHING TO COVER THEIR 23 MOUTH AND FACE. USE AN ISOLATION, TO KEEP IT AWAY FROM 24 OTHER WORKERS. CLOSE OFF THAT AREA WHERE ASBESTOS IS 25 BEING USED SO OTHER PEOPLE ARE NOT EXPOSED TO IT. 26 THIS IS IN 1930. 27 THAT LED TO IN THE LATE 1930S IN THE UNITED 28 STATES -- AND ESPECIALLY CALIFORNIA, IN 1939 -- TO	18 1 LYNCH AND SMITH WROTE A PAPER IN 1935 2 SAYING, "ASBESTOS CAUSES LUNG CANCER. WE'RE FINDING 3 ASBESTOS CAUSING LUNG CANCER." THIS IS IN 1935. 4 BY THE TIME YOU GET TO 1949, THE "JOURNAL OF 5 THE AMERICAN MEDICAL ASSOCIATION" -- THE MOST WIDELY 6 READ MEDICAL JOURNAL READ IN THIS COUNTRY, ONE BASICALLY 7 SENT TO EVERY DOCTOR -- IN 1949, WROTE AN ARTICLE 8 TALKING ABOUT THE DANGERS OF ASBESTOS AND CAUSING LUNG 9 CANCER TO THE PEOPLE WHO ARE WORKING WITH AND AROUND 10 THAT PRODUCT. THAT'S IN 1949. 11 1955, A VERY FAMOUS DOCTOR; HIS NAME IS SIR 12 RICHARD DOLL. ANYBODY THAT KNOWS ABOUT TOBACCO SMOKING, 13 DOES TOBACCO CAUSE LUNG CANCER, IT WAS SIR RICHARD DOLL, 14 FROM ENGLAND, WHO SAID DEFINITELY SMOKING CAUSES LUNG 15 CANCER. IN 1955, HE DID AN EPIDEMIOLOGIC STUDY SAYING 16 DEFINITELY ASBESTOS CAUSES LUNG CANCER. THAT'S IN 17 1955. 18 WHEN WE GET TO 1960, ANOTHER VERY FAMOUS 19 REPORT COMES OUT. THIS ONE IS BY A DOCTOR -- HE'S A 20 SOUTH AFRICAN DOCTOR. HE GOES BY WAGNER; IN THIS 21 COUNTRY WE WOULD CALL HIM WAGNER (DIFFERENT 22 PRONUNCIATION). 23 DR. WAGNER WROTE A REPORT IN 1960 WHERE HE 24 DETAILED 31 OF HIS PATIENTS WHO HAD MESOTHELIOMA; 25 DEFINITELY SAID ASBESTOS CAUSES MESOTHELIOMA TO PEOPLE 26 WHO ARE EXPOSED TO ASBESTOS. THAT'S IN 1960, BEFORE MY 27 CLIENT JOINED THE NAVY. 28 1961, MR. WOODARD JOINS THE NAVY.

1 1964, IN THE UNITED STATES, A NAME THAT
 2 YOU'LL GET VERY FAMILIAR TO HEARING: DR. SELIKOFF, OUT
 3 OF NEW YORK. VERY FAMOUS DOCTOR WHO STUDIED ASBESTOS
 4 1964, HE STARTS HOLDING SEMINARS IN NEW YORK TO TALK
 5 ABOUT THE FACT THAT ASBESTOS IS AFFECTING A WIDE VARIETY
 6 OF PEOPLE WHO WORK AROUND THAT PROJECT -- PEOPLE WHO
 7 WERE INSULATORS, PEOPLE WHO WERE AROUND NAVY EQUIPMENT.
 8 DR. SELIKOFF, IN 1964 -- AGAIN, BY THIS TIME
 9 THERE'S ABSOLUTELY NO QUESTION, NONE, THAT ASBESTOS IN
 10 SUFFICIENT DOSES WILL CAUSE MESOTHELIOMA.
 11 NOW, AS I INDICATED TO YOU, 2008, MY CLIENT
 12 IS DIAGNOSED WITH MESOTHELIOMA FROM ASBESTOS. AND I
 13 DON'T THINK YOU'LL HEAR ANY DISPUTE IN THIS CASE THAT
 14 THE EXPOSURE THAT HE GOT IN THE NAVY FROM 1961 TO 1965
 15 CAUSED HIS MESOTHELIOMA TO OCCUR.
 16 I TALKED TO YOU A LITTLE BIT ABOUT IT IN
 17 OPENING STATEMENT, THE MINI OPENINGS, ABOUT A LATENCY
 18 PERIOD. THIS IS A VERY TYPICAL LATENCY PERIOD, 42
 19 YEARS, BETWEEN EXPOSURE AND THE DISEASE; VERY COMMON.
 20 GENERALLY, 20 TO 50 YEARS FOR SOMEONE TO HAVE EXPOSURE,
 21 THEN GET THE DISEASE. MY CLIENT FITS RIGHT IN THAT
 22 CATEGORY.
 23 NOW, WELL, COULD THEY HAVE WARNED? I MEAN,
 24 THE NAVY WAS DEALING WITH ASBESTOS. AND YOU'LL HEAR THE
 25 EVIDENCE ABOUT THE NAVY AND WHAT THEY KNEW, ET CETERA.
 26 AND ONE OF THE THINGS THAT YOU'RE GOING TO
 27 HEAR IN THIS CASE IS NOT ONLY DID THE DEFENDANTS HAVE
 28 THE ABILITY TO WARN, WE BELIEVE THE EVIDENCE IS GOING TO

1 DEMONSTRATE TO YOU THEY WERE REQUIRED TO WARN.
 2 YOU'RE GOING TO SEE THE NAVY REGULATIONS AND
 3 THE NAVY REQUIREMENTS, AND ONE OF THE THINGS YOU'RE
 4 GOING TO SEE IS NAVY REQUIREMENTS ON MANUFACTURERS THAT
 5 WHEN MANUFACTURERS KNOW OF A RISK OF THEIR PRODUCT, THAT
 6 THEY WANT THEM TO WARN THE SAILORS WHO WERE GOING TO BE
 7 AROUND THAT PRODUCT. THEY WANT THEM TO BE WARNED.
 8 YOU'RE GOING TO HEAR THERE ARE WAYS TO WARN.
 9 THERE ARE MANUALS THEY COULD PUT THE WARNINGS IN, OR
 10 THEY COULD JUST PUT IT ON THE PRODUCT ITSELF: "CONTAINS
 11 ASBESTOS. WEAR MASK." ANYTHING. AND YOU'LL HEAR
 12 NOTHING WAS DONE BY THESE DEFENDANTS.
 13 LET'S TALK A LITTLE BIT ABOUT WHAT ASBESTOS
 14 IS.
 15 (DISCUSSION HELD OFF THE RECORD.)
 16 MR. PAUL: LET'S TALK A LITTLE BIT ABOUT ASBESTOS.
 17 ASBESTOS IS A ROCK. IT'S BEEN AROUND
 18 FOREVER. IN FACT, THERE ARE INDICATIONS BACK AS FAR AS
 19 2,000 YEARS AGO OF ASBESTOS BEING USED -- UNFORTUNATELY,
 20 BEING DUG OUT OF THE GROUND BY SLAVES, AND IT WAS
 21 ACTUALLY CALLED THE SLAVE'S DISEASE. APPARENTLY, THEY
 22 RELATE IT TO ASBESTOS, AND THEY WERE INHALING THE
 23 FIBERS.
 24 YOU'RE GOING TO HEAR THAT ASBESTOS IS
 25 BASICALLY A ROCK THAT YOU MINE OUT OF THE GROUND. AND
 26 IT HAS THESE BENEFITS THAT I TALKED TO YOU ABOUT: IT'S
 27 INCOMBUSTIBLE, IT WILL NOT BURN, AND IT'S LIGHT WEIGHT.
 28 AND BECAUSE OF THAT, IT'S USEFUL FOR USE IN A LOT OF

1 PRODUCTS.
 2 IN FACT, OVER 3,000 PRODUCTS HAVE BEEN MADE
 3 WITH ASBESTOS. IN FACT, BRAKE PADS -- ONE OF THE THINGS
 4 THAT WAS USED IN BRAKE PADS IS ASBESTOS.
 5 SO ONE OF THE THINGS THAT YOU'RE GOING TO
 6 HEAR IS THAT THERE ARE VERY FINE FIBERS THAT ALLOWS --
 7 REMEMBER, I TALKED THAT IT CAN BE WEADED, CAN ACTUALLY
 8 MAKE CLOTH OUT OF IT.
 9 IT'S VERY FINE FIBERS, VERY, VERY SMALL
 10 FIBERS, THAT ACTUALLY BREAK DOWN WHEN THEY'RE USED AND
 11 GET INTO SMALLER AND SMALLER FIBERS, WHICH REALLY
 12 BECOMES IMPORTANT FROM A MEDICAL STANDPOINT.
 13 BECAUSE WHAT HAPPENS IS, IF YOU JUST HAD AN
 14 ASBESTOS ROCK SITTING HERE, THAT'S NOT A DANGER. IF YOU
 15 HAD A PIECE OF ASBESTOS INSULATION SITTING HERE, IT'S
 16 NOT A DANGER. IF YOU HAD AN ASBESTOS GASKET SITTING
 17 HERE, IT'S NOT A DANGER.
 18 WHERE IT BECOMES A DANGER IS IF THE FIBERS
 19 ARE RELEASED INTO THE AIR AND BECOME BREATHABLE. WHERE
 20 IT BECOMES DANGEROUS IS IF IT GETS INTO YOUR LUNG.
 21 SO WHEN IT'S BOUND TOGETHER, IT'S NOT A
 22 DANGER. IF THOSE FIBERS, THOUGH, ARE DISTURBED IN SOME
 23 FASHION, THEN IT BECOMES A DANGER.
 24 HOW DOES THAT HAPPEN? INSULATION -- LET ME
 25 GIVE YOU AN EXAMPLE: INSULATION ON THE OUTSIDE OF A
 26 PIECE OF EQUIPMENT THAT'S MADE OUT OF ASBESTOS, OR
 27 MOSTLY OF ASBESTOS. YOU HAVE TO TAKE IT OFF TO WORK ON
 28 THE EQUIPMENT. LET'S SAY YOU'VE GOT TO GO CHANGE A

1 GASKET INSIDE, OR YOU'VE GOT TO FIX A LEAK THAT MAY HAVE
 2 OCCURRED INSIDE A VALVE, FOR EXAMPLE. YOU'VE GOT TO
 3 TAKE THAT ASBESTOS OFF.
 4 SO YOU HAVE -- THESE GUYS, THESE SAILORS,
 5 RIPPED IT OFF. THEY WOULD HIT IT WITH WRENCHES AND
 6 SCREWDRIVERS TO TRY TO DIG IT OFF OF THE PRODUCT OR THE
 7 PART THAT THEY HAD TO GET AT. AND WHEN THEY DID THAT,
 8 OF COURSE, IT WAS A HUGE DUSTY ENVIRONMENT, AND THEY
 9 WERE BREATHING IN ASBESTOS FIBERS.
 10 HOW ABOUT GASKETS? THESE GASKETS WOULD
 11 STICK. BECAUSE IT'S A HOT PROCESS, THESE GASKETS WOULD
 12 STICK AND BECOME BOUND TO THE PIPES AND THE VALVES.
 13 WELL, YOU HAVE TO CLEAN -- WHEN THAT STARTS LEAKING,
 14 YOU'VE GOT TO TAKE THAT GASKET OFF.
 15 HOW DO YOU TAKE IT OFF? THESE GUYS WOULD
 16 USE SCRAPERS, WIRE BRUSHES, AND SOMETIMES ELECTRIC WIRE
 17 BRUSHES TO GET THAT THING CLEAN. AND WHEN THEY'RE DOING
 18 THAT, THEY'RE BASICALLY RELEASING ALL OF THESE ASBESTOS
 19 FIBERS.
 20 IF THEY'RE TAKING OUT ASBESTOS PACKING FROM
 21 INSIDE SOMETHING, SAME THING: THEY'VE GOT TO DIG IT
 22 OUT.
 23 SO THESE GUYS ARE WORKING WITH THIS PRODUCT,
 24 UNPROTECTED, AND IT'S THAT WORK THAT RELEASES THE
 25 FIBERS. AND ALL OF THESE PRODUCTS HAD ASBESTOS THAT
 26 COULD BE RELEASED IN THAT FASHION.
 27 WORKING ON THE ELECTRICAL EQUIPMENT, YOU'LL
 28 HEAR THAT HE WOULD SAND THE EQUIPMENT. HE WOULD DRILL

1 THE EQUIPMENT TO MAKE NEW HOLES FOR PUTTING IN PARTS ON
2 THESE ELECTRICAL BOARDS. WHEN HE'S DOING THAT, HE'S
3 RELEASING THOSE FIBERS. HE'D CLEAN IT UP WITH AIR HOSES
4 AND BLOW IT OUT, WHICH IS MUCH WORSE, BECAUSE THAT GETS
5 ALL THE FIBERS INTO THE AIR.

6 THAT IS THE PROCESS THAT GOES ON AND
7 RELEASES THESE PRODUCTS INTO THE AIR. NOW, IS THERE ANY
8 WARNING? NO. ASBESTOS HAS NO ODOR. YOU CAN'T SEE IT.
9 THESE PARTICLES ARE SMALLER THAN THE HUMAN EYE CAN SEE.
10 SO YOU HAVE NO WAY OF VISUALIZING, OTHER THAN THE FACT
11 THAT YOU SEE THIS BIG DUST CLOUD.

12 BUT YOU DON'T KNOW THAT THESE TINY PARTICLES
13 ARE GETTING INTO YOUR LUNGS. THERE ARE NO WHAT ARE
14 CALLED "ONION PROPERTIES." THERE'S NOTHING TO GIVE YOU
15 A CLUE OR A HINT THAT YOU'RE DOING SOMETHING THAT COULD
16 END UP HARMING YOUR BODY.

17 AND AS I'VE SAID, YOU DON'T GET SICK RIGHT
18 AWAY. IT WOULD BE ONE THING IF YOU BREATHED THAT IN ONE
19 DAY AND YOU FELL OVER BECAUSE YOU COULDN'T BREATHE
20 ANYMORE. YOU'RE TALKING 40 YEARS BEFORE YOU ACTUALLY
21 KNOW THE EFFECT OF YOU'VE BEEN DOING WITH ASBESTOS.

22 SO THE EVIDENCE WILL BE THAT AND MR. WOODARD
23 WILL TALK TO YOU ABOUT THE KIND OF WORK HE DID IN THOSE
24 SPACES, THE KIND OF WORK WHERE PEOPLE WOULD SAY TO HIM,
25 "COME OVER HERE AND HELP ME WORK ON THIS PART. WORK
26 WITH ME ON -- TAKE THAT ASBESTOS OFF OF THERE. GRIND
27 THAT GASKET OFF THAT PIPE."

28 THIS IS THE KIND OF THING HE WOULD DO.

1 "DRILL THAT PART OVER HERE. SCRAPE DOWN THAT ELECTRICAL
2 BOARD." HE WILL TALK TO YOU ABOUT THAT PROCESS, HOW
3 DUSTY THAT PROCESS WAS, AND HOW THAT DUST ENDED UP BEING
4 BREATHED BY HIM. HE KNEW HE WAS BREATHING IT; HE JUST
5 DIDN'T KNOW WHAT HE WAS BREATHING WOULD END UP TAKING
6 HIS LIFE.

7 SO WE'RE GOING TO BRING IN EXPERTS TO TALK
8 TO YOU ABOUT HOW THAT HAPPENS. HOW DOES IT TAKE
9 SOMEONE'S LIFE, THESE TINY PARTICLES? IT'S STUPID OF ME
10 TO TRY TO DRAW IT ON THE BOARD BECAUSE THEY'RE MUCH
11 SMALLER THAN ANYTHING I COULD DRAW ON THIS BOARD. WE'RE
12 GOING TO HAVE SOME EXPERTS COME IN AND TALK TO YOU ABOUT
13 THAT.

14 BUT WHAT HAPPENS IS THAT THESE FIBERS NOT
15 ONLY JUST AFFECT THE GUY WHO IS WORKING WITH THESE
16 PRODUCTS DIRECTLY, BUT ANYBODY STANDING NEARBY. IF
17 YOU'RE WITHIN A FEW FEET OF THE PERSON, YOU'LL HEAR
18 STUDIES THAT THOSE PEOPLE ARE AFFECTED.

19 YOU'LL HEAR THAT IT'S SO PERVASIVE -- FOR
20 EXAMPLE, SOME OF THE STUDIES THAT THE EXPERTS RELY
21 UPON -- THAT MEN WHO WORKED WITH THIS PRODUCT, WHO WOULD
22 COME HOME WITH THEIR CLOTHES, AND THEIR SPOUSE WOULD
23 CLEAN THEIR CLOTHES, SHAKE IT OUT, THAT THE SPOUSE WOULD
24 GET MESOTHELIOMA FROM DOING THAT.

25 YOU'RE GOING TO HEAR STUDIES THAT PEOPLE WHO
26 CAME HOME FROM WORK WITH ASBESTOS ON THEIR CLOTHES, THAT
27 THEIR PETS IN THEIR HOUSE WOULD GET MESOTHELIOMA.

28 SO YOU DON'T HAVE TO BE THE PERSON WORKING

1 WITH IT; YOU JUST NEED TO BE AROUND AND TO BREATHE THESE
2 FIBERS AND THE DANGER THAT IT CAUSES TO THOSE WHO ARE
3 AROUND IT.

4 AND YOU'RE GOING TO HEAR ABOUT IT'S NOT JUST
5 MESOTHELIOMA THAT'S CAUSED BY IT. ASBESTOSIS, WHICH IS
6 A SCARRING OF THE LUNGS, BASICALLY SHUTS THE LUNGS DOWN;
7 LUNG CANCER, INTERNAL TO THE LUNGS; AND THE EXTERNAL
8 PROBLEM OF MESOTHELIOMA.

9 SO WE'LL HAVE SOME PEOPLE TO TALK TO YOU
10 ABOUT THIS. ONE OF THE PEOPLE YOU'RE GOING TO HEAR BY
11 VIDEOTAPE, BECAUSE HE'S FROM TEXAS, IS DR. ROY SMYTHE.
12 DR. SMYTHE WAS THE TREATING DOCTOR FOR MR. WOODARD. AND
13 DR. SMYTHE IS GOING TO TALK TO YOU ABOUT WHAT HE DID.

14 A LITTLE BIT OF HIS BACKGROUND: HE'S A
15 THORACIC SURGEON, BOARD CERTIFIED. HE'S CHAIRMAN OF THE
16 DEPARTMENT OF SURGERY. HE'S A PROFESSOR IN THE
17 DEPARTMENT OF SURGERY. HE'S A PROFESSOR IN MOLECULAR
18 AND CELLULAR MEDICINE. HE'S TREATED PATIENTS WITH
19 MESOTHELIOMA FOR OVER 20 YEARS.

20 AND ONE OF THE THINGS THAT HE DECIDED THAT
21 HE WANTED TO DO TO TRY TO HELP OUT MR. WOODARD WAS TO DO
22 A SIGNIFICANT SURGERY. IT'S CALLED AN EPP -- AND I'LL
23 LET SOMEBODY ELSE COME IN AND TELL YOU THE TECHNICAL
24 WORDS FOR IT -- BUT BASICALLY, THEY TOOK HIS LUNG OUT IN
25 ORDER TO TRY TO GIVE HIM A LITTLE EXTRA TIME.

26 AND HE'S GOING TO SAY THAT HE THINKS IT MAY
27 GIVE HIM A LITTLE EXTRA TIME, BUT THERE'S NO QUESTION
28 THAT IT'S NOT GOING TO SAVE HIS LIFE. HE'S GOING TO

1 PASS FROM THIS DISEASE IN A VERY BRIEF PERIOD OF TIME.
2 YOU'RE GOING TO HEAR FROM TWO OTHER REALLY
3 WORLD-RENOWNED EXPERTS IN ASBESTOS MEDICINE. YOU'RE
4 GOING TO HEAR FROM A DR. ARNOLD BRODY, WHO IS A CELLULAR
5 BIOLOGIST, A PH.D., WHO HAS TESTIFIED BEFORE CONGRESS,
6 HAS LECTURED AROUND THE WORLD ABOUT ASBESTOS AND HOW IT
7 CAUSES DISEASES; AND THE TESTING HE'S DONE, AND THE WAY
8 HE DEMONSTRATES HOW IT IS THESE FIBERS GET INTO THE
9 LUNG -- NOT ONLY GET INTO THE LUNG, BUT THEY GET PAST OF
10 THE DEFENSES OF THE BODY.

11 YOU KNOW, THERE ARE WAYS THAT -- I DON'T
12 WANT TO GET INTO THE GROSSNESS OF IT, BUT SPUTUM AND THE
13 OTHER KIND OF THINGS ARE WAYS THAT KEEP THESE PARTICLES
14 FROM GETTING INTO YOUR LUNGS. YOU'RE GOING TO HEAR
15 ABOUT HOW THESE DEFENSES CAN BE OVERWHELMED, HOW IT GETS
16 DOWN TO THE VERY TINIEST AIR SACS IN THE BODY -- IN THE
17 LUNG, AND IT GETS FROM THERE TO THE LINING OF THE LUNG.

18 IT ACTUALLY CROSSES THE BOUNDARY TO GET TO
19 THE PLEURA, WHICH IS THE LINING OF THE LUNG, WHERE THERE
20 IT INTERACTS WITH THE CELLS AND ENDS UP AFFECTING THE
21 DNA OF THE CELLS AND CAUSES THEM TO BECOME CANCER CELLS.

22 THAT'S HOW ASBESTOS CAUSES THE WREAK AND
23 HAVOC OF MESOTHELIOMA.

24 SO DR. BRODY, JUST TO GIVE YOU A LITTLE BIT
25 OF HIS BACKGROUND: PROFESSOR OF THE DEPARTMENT OF
26 MOLECULAR BIOMEDICAL SCIENCES AT NORTH CAROLINA STATE,
27 FORMER VICE CHAIRMAN OF PATHOLOGY AT TULANE UNIVERSITY.
28 HE'S WRITTEN OVER 145 ARTICLES, PEER REVIEWED, IN

1 MEDICAL AND SCIENTIFIC JOURNALS ON THE SUBJECT OF
2 ASBESTOS.
3 AND HE'S A RESEARCHER IN A SPECIFIC KIND OF
4 ASBESTOS CALLED CHRYSOTILE. WE'LL SPEND A LOT OF TIME
5 IN THIS CASE TALKING ABOUT DIFFERENT TYPES OF ASBESTOS.
6 ONE OF THEM IS CALLED CHRYSOTILE.
7 AND HE'S GOING TO TALK TO YOU ABOUT, IF YOU
8 KNOW THE EXPOSURE -- IF YOU HAVE A PERSON WHO HAS
9 MESOTHELIOMA AND THEY WERE EVER AROUND ASBESTOS, YOU
10 KNOW WHAT CAUSED THE MESOTHELIOMA. IT'S THAT CLEAR.
11 SO HE'LL TALK TO YOU ABOUT THAT. AND HE'LL
12 TALK TO YOU ABOUT HOW SOME PEOPLE ARE MORE SUSCEPTIBLE.
13 WHY IS IT THAT MR. WOODARD GETS THIS DISEASE, AND THE
14 GUY HE WORKED NEXT TO ON THE SHIP NEVER GOT THE DISEASE?
15 HOW IS IT THAT SOMEONE COULD BE A INSULATOR, WHERE THEY
16 PUT UP OF THIS INSULATION, FOR 20 YEARS, SIDE BY SIDE;
17 ONE GETS IT, AND ONE DOESN'T? WHY IS IT THAT HAPPENS?
18 SO HE'S GOING TO TALK TO YOU ABOUT HOW SOME
19 PEOPLE ARE MORE SUSCEPTIBLE TO THE DISEASE AND HOW THAT
20 OCCURS AND WHY THAT OCCURS, AND THAT MR. WOODARD,
21 UNFORTUNATELY, WAS ONE OF THOSE PEOPLE WHO WERE
22 SUSCEPTIBLE TO THE DISEASE.
23 WE'RE ALSO GOING TO BRING YOU -- AND
24 ACTUALLY, HE'S GOING TO BE OUR FIRST WITNESS TODAY, DR.
25 CARL BRODKIN, WHEN ALL OF THESE OPENING STATEMENTS ARE
26 FINISHED.
27 DR. BRODKIN IS AN OCCUPATIONAL AND
28 ENVIRONMENTAL MEDICINE PHYSICIAN FROM SEATTLE. HE'S A

1 CLINICAL ASSOCIATE PROFESSOR. HE HAS A MASTER'S IN
2 PUBLIC HEALTH, AS WELL. HE'S BOARD CERTIFIED IN
3 OCCUPATIONAL MEDICINE. HE'S A PAST PRESIDENT OF THE
4 ASSOCIATION OF OCCUPATIONAL AND ENVIRONMENTAL CLINICS.
5 HE'S A CO-EDITOR OF A TEXTBOOK THAT DEALS
6 WITH THE SUBJECT OF ASBESTOS. AND INTERESTINGLY, HE HAS
7 HIS OWN ASBESTOS STUDY GOING ON, WHERE HE IS FOLLOWING
8 4,000 PEOPLE WHO HAVE BEEN EXPOSED TO ASBESTOS IN THE
9 SHIPYARDS.
10 ONE OF THE THINGS YOU'LL HEAR IS THAT
11 SEATTLE HAS A HUGE SHIPYARD AREA. A LOT OF SHIP-
12 BUILDING GOES ON IN THAT AREA. AND HE'LL TALK TO YOU
13 ABOUT HOW HE HAS WORKED WITH THE PEOPLE ON BOARD THOSE
14 SHIPS, HOW HE KNOWS WHAT KIND OF EQUIPMENT THEY'VE
15 WORKED WITH, AND HOW THEY GET AFFECTED AND COME DOWN
16 WITH THIS DISEASE. AND HE'LL TALK TO YOU ABOUT HIS
17 STUDY.
18 I ANTICIPATE YOU'RE GOING TO HEAR FROM AN
19 INDUSTRIAL HYGIENIST. HER NAME SUSAN RATERMAN. SUSAN
20 RATERMAN IS A CERTIFIED INDUSTRIAL HYGIENIST. SHE HAS A
21 BACHELOR'S DEGREE IN BIOLOGY, A MASTER'S DEGREE IN
22 ENVIRONMENTAL HEALTH, AND SHE'S A LICENSED ASBESTOS
23 PROJECT DESIGNER.
24 SHE'S WORKED WITH HOSPITALS IN DETERMINING
25 OCCUPATIONAL HEALTH PROBLEMS IN HOSPITALS. SHE'S WORKED
26 FOR OSHA. SHE'S TESTED FOR ASBESTOS. AND SHE'LL TALK
27 TO YOU ABOUT THE KIND OF EXPOSURES THAT CAN BE SEEN IN
28 WORKING UNDER THESE KIND OF CIRCUMSTANCES, AROUND THIS

1 KIND OF EQUIPMENT.
2 SO THESE EXPERTS ARE GOING TO TELL YOU THAT
3 ALL ASBESTOS FIBERS ARE TOXIC, WHATEVER THE TYPE MIGHT
4 BE. THEY'LL TELL YOU THAT CHRYSOTILE ASBESTOS, THE ONE
5 THAT I MENTIONED THAT DR. BRODY IS AN EXPERT IN, IS A
6 CAUSE OF MESOTHELIOMA.
7 AND THE REASON I MENTION THAT IS, THAT'S
8 TYPICALLY THE ASBESTOS THAT'S MOST OFTEN FOUND IN
9 ELECTRICAL EQUIPMENT AND IN GASKETS AND PACKING THAT
10 WE'VE BEEN TALKING ABOUT. IT'S GENERALLY CHRYSOTILE
11 ASBESTOS.
12 THEY'LL TELL YOU THAT THERE IS NO MINIMUM
13 LEVEL ABOVE BACKGROUND -- I DON'T WANT TO GET INTO ALL
14 THE TECHNICAL TERMS AT THIS POINT; BUT THEY'LL TELL YOU
15 THAT IF YOU ARE ABOVE BACKGROUND LEVEL, AND THE MORE
16 YOU'RE EXPOSED, THE MORE LIKELY YOU ARE TO GET THE
17 DISEASE.
18 IT'S WHAT'S CALLED A "DOSE-RELATED DISEASE."
19 THE MORE YOU TAKE IN, THE MORE LIKELY YOU RISK
20 INCREASES, THE MORE LIKELY YOU ARE TO GET THIS DISEASE.
21 BUT THERE IS NO MINIMUM KNOWN LEVEL.
22 THEY'LL TELL YOU THAT EACH OF THESE EXPOSURES ABOVE
23 BACKGROUND IS IMPORTANT IN CAUSING MESOTHELIOMA.
24 HOW ABOUT THE NAVY ASPECT OF IT? I'VE TOLD
25 YOU -- YOU'VE HEARD BEFORE, THERE ARE GOING TO BE NAVY
26 EXPERTS WHO ARE GOING TO COME IN AND TESTIFY. WE HAVE
27 ONE, AS WELL.
28 CAPTAIN ARNOLD MOORE IS GOING TO BE HERE TO

1 TALK TO YOU ABOUT THE PROCESS ABOARD SHIP. THIS IS
2 SOMETHING THAT'S ALIEN TO MOST OF US WHO HAVE NEVER BEEN
3 ON A SHIP. WE NEED SOMEBODY TO COME IN AND TELL US,
4 WHAT IS A MACHINE ROOM LIKE? HOW DOES IT OPERATE? WHAT
5 KIND OF EQUIPMENT IS GOING TO BE FOUND THERE? HOW DO
6 YOU DEAL WITH THAT EQUIPMENT? WHAT KIND OF WORK IS DONE
7 ON THAT EQUIPMENT?
8 WHAT YOU'RE GOING TO DO IS, CAPTAIN MOORE IS
9 GOING TO COME IN AND TELL YOU THAT EXACTLY WHAT MR.
10 WOODARD DESCRIBES THE KIND OF WORK THAT HE DID IS WHAT
11 WOULD BE DONE FOR SOMEONE WHO DID HIS KIND OF JOB ON
12 BOARD SHIP. HE WOULD DO THAT KIND OF WORK. IT'S THE
13 KIND OF THING YOU'D HAVE TO DO.
14 HE WAS A GOFER AT THE BEGINNING. "COME OVER
15 HERE AND HELP ME WITH THIS JOB." HE SAYS THAT'S VERY
16 TYPICAL. EVERYBODY WORKS IN THE ENGINE ROOM TO GET
17 THINGS DOWN AND TO GET IT ACCOMPLISHED.
18 SO CAPTAIN MOORE IS GOING TO COME IN, AND
19 HE'S GOING TO TALK TO YOU ABOUT WHAT GOES ON ONBOARD A
20 SHIP, HOW IT WORKS, WHY ASBESTOS WAS USED. HE'S GOING
21 TO TALK TO YOU ABOUT NAVY REGULATIONS, HOW THOSE
22 REGULATIONS WORK, HOW THEY AFFECTED THE SUBJECT OF
23 ASBESTOS, WHY THE NAVY ENDED UP USING ASBESTOS.
24 HE'LL TALK TO YOU ABOUT WHY THE NAVY DID
25 REQUIRE COMPANIES TO WARN IF THEY KNEW A RISK OF THEIR
26 PRODUCT, HOW IT COULD BE ACCOMPLISHED, THE KIND OF
27 THINGS THAT COULD BE DONE TO ACCOMPLISH THOSE WARNINGS.
28 SO HE'S GOING TO COME IN AND TALK TO ABOUT

1 THAT. AND HIS BACKGROUND IS INTERESTING BECAUSE IT
2 TURNS OUT HE HAS A BACHELOR OF SCIENCE IN NAVAL SCIENCE
3 FROM THE NAVAL ACADEMY. HE HAS A MASTER OF SCIENCE IN
4 NAVY ARCHITECTURAL AND MARINE ENGINEERING. HE HAS A
5 DEGREE FROM M.I.T.

6 HE'S A PROFESSIONAL ENGINEER. HE WAS IN THE
7 NAVY FROM '68 TO '79. HE WAS AN ENGINEERING DUTY
8 OFFICER FROM '75 TO '79. AND HE'LL TALK ABOUT THE
9 VARIOUS SHIPS THAT HE WAS ON.

10 HE WAS IN THE NAVAL RESERVE FROM '79 TO '94.
11 HE WAS -- HE RETIRED AS A CAPTAIN. HE HAS THE CHIEF
12 NAVAL ARCHITECT FROM 1980 TO '85. HE WAS A DIRECTOR OF
13 DESIGN ENGINEERING FROM '85 TO '92.

14 HE WAS VICE PRESIDENT OF ENGINEERING FOR A
15 COMPANY FROM '92 -- GRUMMAN. AND FROM 1992 TO 2007, HE
16 DESIGNED CRUISERS, ASSAULT SHIPS, DESTROYERS, AND
17 NUMEROUS OTHER SHIPS, INCLUDING SHIPS FOR THE ISRAELI
18 NAVY.

19 HE'S GOING TO TALK TO YOU ABOUT HOW THESE
20 WORKERS HAD TO BE IN CONTACT WITH ASBESTOS. HE'S GOING
21 TO TALK TO YOU ABOUT THESE SAILORS DIDN'T KNOW; THEY
22 JUST DIDN'T KNOW THE RISKS THAT THEY WERE RUNNING. HE'S
23 GOING TO TALK ABOUT WHEN HE WAS IN THE NAVY, THAT IT
24 WASN'T THAT CLEAR WHAT WAS THE PROBLEM.

25 SO HE'S GOING TO GET INTO THAT BACKGROUND
26 WITH YOU, LADIES AND GENTLEMEN, AND GOING TO TALK TO YOU
27 ABOUT VALVES AND THE OTHER THINGS THAT ARE CONTAINED IN
28 THESE ENGINE ROOMS AND HOW THEY WORK.

1 LET ME TALK JUST A LITTLE BIT ABOUT THE
2 DEFENDANTS IN THIS CASE.

3 AS I INDICATED TO YOU, CRANE MADE VALVES.
4 YOU'LL HEAR FROM THEIR PEOPLE THAT MANY OF THEM
5 CONTAINED ASBESTOS COMPONENTS. THAT'S THE WAY THEY WERE
6 MADE, AND THAT'S THE WAY THAT THEY WERE SHIPPED OUT.
7 THAT THEY SPECIFIED THE USE OF ASBESTOS COMPONENTS.

8 THEY WERE USED ON NAVY SHIPS FROM THE '40S,
9 CLEARLY THROUGH THE TIME THAT MY CLIENT WAS IN THE U.S.
10 NAVY; THAT THEY WILL ADMIT THEY NEVER TESTED ASBESTOS
11 AND THEY NEVER WARNED ABOUT THE DANGER OF ASBESTOS
12 THROUGH 1965.

13 SEPCO WILL TELL YOU THAT THEY WERE A
14 SUPPLIER OF ASBESTOS GASKETS AND PACKING TO THE NAVY.
15 THEY WILL ADMIT TO YOU THAT THEY NEVER WARNED AND THAT
16 THEY NEVER TESTED THEIR PRODUCTS TO SEE IF THEY WERE
17 SAFE FOR THE PEOPLE WORKING WITH THEM.

18 WE WILL HEAR FROM PEOPLE FROM SQUARE D AND
19 FROM CUTLER-HAMMER, OR EATON, WHO WILL TESTIFY TO YOU
20 ABOUT WHETHER THEIR EQUIPMENT CONTAINED ASBESTOS,
21 WHETHER IT WAS USED ON NAVY SHIPS. I THINK THE EVIDENCE
22 IS GOING TO DEMONSTRATE TO YOU THAT ASBESTOS-CONTAINING
23 EQUIPMENT FROM BOTH OF THOSE DEFENDANTS WERE USED ON
24 NAVY SHIPS, AND THEY WERE A SOURCE OF INJURY TO MY
25 CLIENT.

26 AND AT THE END OF THE DAY, MY EXPERTS ARE
27 GOING TO TELL YOU THAT THESE DEFENDANTS WERE A
28 SUBSTANTIAL FACTOR IN CAUSING THE MESOTHELIOMA THAT MY

1 CLIENT HAS.

2 DR. BRODKIN WILL TESTIFY TO YOU THAT IT IS
3 CLEAR THAT THE EXPOSURE THAT HE GOT FROM THEIR PRODUCTS
4 WAS A SUBSTANTIAL CONTRIBUTING FACTOR TO THE FACT THAT
5 MR. WOODARD NOW HAS MESOTHELIOMA.

6 AND AT THE END OF THE DAY, WE'RE GOING TO
7 DEMONSTRATE THAT THESE DEFENDANTS WERE NEGLIGENT. THEY
8 DIDN'T DO WHAT THEY SHOULD HAVE DONE UNDER THESE
9 CIRCUMSTANCES OR WHAT A REASONABLE COMPANY WOULD HAVE
10 DONE -- EXCUSE ME -- WITH THIS AMOUNT OF INFORMATION
11 THAT THEY KNEW OR SHOULD HAVE KNOWN;

12 THAT ANY REASONABLE COMPANY WITH THIS
13 KNOWLEDGE SHOULD HAVE TESTED --

14 MR. RIDLEY: OBJECTION, YOUR HONOR; ARGUMENTATIVE.
15 THE COURT: SUSTAINED.

16 MR. PAUL: WE BELIEVE THE EVIDENCE WILL
17 DEMONSTRATE, THROUGH OUR EXPERT, THAT THESE COMPANIES
18 SHOULD HAVE WARNED; THAT THESE COMPANIES SHOULD HAVE
19 EVALUATED THEIR PRODUCT FOR ANY POTENTIAL RISK THAT MAY
20 HAVE EXISTED.

21 WE BELIEVE THE EVIDENCE WILL DEMONSTRATE AT
22 THE END OF THIS CASE THAT THESE PRODUCTS WERE DEFECTIVE
23 IN THAT THEY HAD ASBESTOS IN THEM IN THE FIRST PLACE.
24 THEY WEREN'T BROKEN, BUT THEY HAD SOMETHING THAT WAS
25 DANGEROUS INTERNAL TO THEM, WHICH WAS ASBESTOS. SHOULD
26 HAVE NEVER BEEN IN THERE IN THE FIRST PLACE.

27 WE'RE GOING TO DEMONSTRATE THAT THESE
28 DEFENDANTS FAILED TO WARN AND, BY DOING THAT, TOOK AWAY

1 THE OPPORTUNITY FOR MR. WOODARD TO PROTECT HIMSELF.

2 AND WE'RE GOING TO ASK YOU, BASED ON THE
3 EVIDENCE THAT WE PRODUCE IN THIS CASE, TO LOOK AT IT
4 CAREFULLY, BECAUSE WE BELIEVE THAT THE EVIDENCE WILL
5 DEMONSTRATE -- AT LEAST AS TO SOME OF THESE
6 DEFENDANTS -- THAT THEIR ACTIONS WERE ALSO MALICIOUS;
7 THAT THEY FAILED TO -- THEY NOT ONLY FAILED TO DO WHAT
8 THEY SHOULD HAVE DONE, THEY WENT A STEP BEYOND THAT,
9 THEY DID MORE THAN THEY SHOULD HAVE DONE, IN CONSCIOUS
10 DISREGARD FOR THE HEALTH OF OTHER PEOPLE, AND IT CAUSED
11 HARM -- ADDITIONAL HARM BY DOING THAT.

12 AND AT THE END OF THE DAY, AT THE END OF
13 THIS CASE, I'M GOING TO ASK YOU TO COMPENSATE MY
14 CLIENTS. AND I'M GOING TO ASK YOU TO COMPENSATE THEM IN
15 ESSENTIALLY THREE DIFFERENT AREAS.

16 I'M GOING TO ASK YOU TO COMPENSATE THEM FOR
17 MEDICAL EXPENSES THAT HAVE BEEN INCURRED AS A RESULT OF
18 HAVING TO BATTLE THIS DISEASE. AND WE'LL PUT THAT
19 EVIDENCE ON FOR YOU.

20 I'M GOING TO ASK YOU TO COMPENSATE THEM FOR
21 THE LOST EARNINGS. BECAUSE HE WAS STILL WORKING AS A
22 CITY MANAGER, AND THIS DISEASE CAUSED HIM TO STOP. AND
23 HE HAD PLANS TO KEEP ON WORKING AT THIS JOB THAT PAID
24 HIM OVER \$125,000 A YEAR, AS A CITY MANAGER.

25 YOU'RE GOING TO HEAR THE ECONOMIC LOSSES IN
26 THIS CASE EXCEED \$2 MILLION FOR THIS FAMILY. IT'S WHAT
27 THEIR LOSS IS.

28 YOU'RE GOING TO HEAR MR. WOODARD HAD A LONG

1 LIFE AHEAD OF HIM. IT'S AMAZING, IF YOU LOOK AT LIFE
2 EXPECTANCY TABLES. THE NUMBER OF YEARS THAT YOU HAVE
3 WHEN YOU REACH THE AGE OF 66 IS A SIGNIFICANT NUMBER OF
4 YEARS. BUT THOSE YEARS ARE GOING TO BE GONE FROM HIM,
5 AND THEY'RE GOING TO BE GONE FROM HIS SPOUSE.

6 SO WE'RE GOING TO ASK YOU TO THINK ABOUT AND
7 TO COMPENSATE THEM FOR WHAT HE'S GOING TO SUFFER.

8 HE KNOWS THIS, BY THE WAY. HE KNOWS WHAT
9 THE FUTURE HOLDS FOR HIM. DR. SMYTHE HAS TOLD HIM.
10 HE'S TALKED TO OTHER DOCTORS. HE KNOWS WHAT THE TRUTH
11 IS. HE KNOWS WHERE IT'S GOING AND WHAT IT'S GOING TO
12 COST HIM.

13 HE AND HIS WIFE BOTH KNOW WHAT THE FUTURE IS
14 GOING TO BE LIKE FOR THEM. THEY KNOW THAT AS TIME GOES
15 ON, THIS DISEASE IS GOING TO TAKE AWAY MORE AND MORE OF
16 HIS ABILITY. HE'S NOT GOING TO BE ABLE TO WALK ANYMORE,
17 NOT GOING TO BE ABLE TO GET AROUND. HE'S NOT GOING TO
18 BE ABLE TO BREATHE. HE'S GOING TO BE ON OXYGEN. HE'S
19 GOING TO BE ON PAIN PILLS, BECAUSE THE PAIN IS GETTING
20 GOING TO GET EXCRUCIATING AT THE END.

21 BECAUSE THE EVIDENCE IN THIS CASE IS THAT
22 THAT ONE LAST LUNG THAT HE HAS IS BASICALLY GOING TO BE
23 SQUEEZED UNTIL HE CAN'T BREATHE ANYMORE, AND THEN IT'S
24 GOING TO TAKE HIS LIFE.

25 HE KNOWS THAT FUTURE IS AHEAD OF HIM. IT
26 DOESN'T MEAN THAT IT'S EASY TO ACCEPT. HIS WIFE, THE
27 EVIDENCE WILL BE, KNOWS THAT THAT FUTURE IS AHEAD OF
28 HER, AS WELL.

1 AND HE'LL TALK TO YOU ABOUT WHAT HE'S GOING
2 TO MISS. HE'S GOING TO MISS HIS KIDS, AND HE'S GOING TO
3 MISS HIS WIFE, AND HE'S GOING TO MISS HIS GRANDKIDS.
4 AND HE'S GOING TO MISS HIS LIFE, THE KIND OF THINGS HE
5 ENJOYED AND LOVED DOING IN HIS LIFE.

6 AND I'M GOING TO ASK YOU AT THE END OF THIS
7 CASE TO COMPENSATE THEM BOTH FOR SOMETHING THEY HAD NO
8 CONTROL OVER, THEY HAD NO ABILITY TO FIGHT, NOTHING THEY
9 COULD DO ABOUT IT.

10 YOU WON'T EVER HEAR ANYBODY SAY THAT MR.
11 WOODARD DID ANYTHING WRONG. WHAT HE DID WAS, HE WENT
12 OUT AND HE SERVED HIS COUNTRY. AND AS A RESULT OF
13 SERVING HIS COUNTRY --

14 MR. GILL: OBJECTION, YOUR HONOR; ARGUMENT.

15 THE COURT: SUSTAINED.

16 MR. PAUL: YOU'LL HEAR THAT HE WENT OUT AND SERVED
17 HIS COUNTRY, AND HE DID NOT HAVE THE ABILITY TO PROTECT
18 HIMSELF.

19 NOW, I ANTICIPATE, AS WELL, WE WILL SPEND
20 SOME TIME TALKING ABOUT THE OTHER WAYS THAT HE MAY HAVE
21 BEEN EXPOSED ON BOARD THAT SHIP: INSULATION THAT MAY
22 HAVE EXISTED ON OTHER EQUIPMENT, THE OTHER KIND OF
23 EQUIPMENT THAT MAY HAVE BEEN ON THAT SHIP.

24 WE MAY HEAR EVIDENCE, PERHAPS, ABOUT MAYBE
25 THEY DID SOMETHING WRONG, AND THEY SHOULD HAVE ALSO
26 WARNED OR PROTECTED MR. WOODARD. I ANTICIPATE WE'RE
27 GOING TO HEAR EVIDENCE ABOUT THE NAVY. I KNOW WE WILL
28 HAVE EVIDENCE ABOUT WHAT THE NAVY KNEW AND DID AND

1 SHOULDN'T HAVE -- OR SHOULD HAVE DONE AND DIDN'T DO. I
2 ANTICIPATE WE'RE GOING TO HEAR THAT KIND OF EVIDENCE,
3 AND I ANTICIPATE THAT THERE WILL BE EVIDENCE THAT
4 THEY'RE TRYING TO BLAME THE NAVY FOR DOING THIS.
5 BUT AT THE END OF THE DAY, LADIES AND
6 GENTLEMEN, THE PLAINTIFF, AFTER WE PUT ON OUR CASE,
7 WE'RE GOING TO LOOK AT YOU AND ASK YOU TO DO JUSTICE
8 AND --

9 MR. GILL: OBJECTION.

10 THE COURT: SUSTAINED.

11 MR. PAUL: -- ASK YOU TO FIND FOR THE PLAINTIFF.

12 THANK YOU VERY MUCH.

13 THANK YOU, YOUR HONOR.

14 THE COURT: THANK YOU. THANK YOU, MR. PAUL.

15 MR. PAUL: I'M WONDERING IF WE COULD TALK TO THE
16 COURT FOR JUST ONE SECOND.

17 THE COURT: OKAY. LET'S JUST TAKE A FIVE-MINUTE
18 BREAK. WHY DON'T YOU JUST STAND. WE'LL TAKE A LONGER
19 BREAK LATER.

20 (BRIEF RECESS.)

21 THE COURT: OKAY.

22 (DISCUSSION HELD OFF THE RECORD.)

23 (PROCEEDINGS WERE HELD IN CAMERA,
24 OUTSIDE THE PRESENCE OF THE JURY, NOT
25 REQUESTED TO BE REPORTED.)

26 THE COURT: SO I WANT TO INFORM YOU THAT WARREN
27 PUMPS IS NO LONGER A PARTY TO THIS CASE. DO NOT
28 SPECULATE AS TO WHY WARREN PUMPS IS NO LONGER INVOLVED

1 IN THE CASE, AND YOU SHOULDN'T CONSIDER THIS DURING YOUR
2 DELIBERATIONS.

3 SO WHAT I'M GOING TO DO NOW, BECAUSE THAT'S
4 WHAT I DID ALL DAY YESTERDAY, IS TO THANK AND EXCUSE MS.
5 O'NEILL.

6 THANK YOU VERY MUCH.

7 I'M NOT GOING TO ASK HER TO RETURN TO THE
8 JURY ASSEMBLY ROOM; BUT THANK YOU.

9 OKAY. SO WHAT WE DECIDED SINCE WE TOOK THIS
10 SHORT BREAK IS THAT PERHAPS IT'S BEST TO TAKE OUR BREAK
11 NOW, AND THEN WE CAN JUST GO THROUGH THE DEFENDANTS'
12 OPENING STATEMENTS. AND YOU DESERVE 15 MINUTES OUT OF
13 THOSE CHAIRS.

14 SO LET'S JUST BE BACK AT 11:00 O'CLOCK.

15 OKAY. THANK YOU VERY MUCH. THANK YOU.

16 (RECESS.)

17 THE COURT: OKAY. WE'RE GOING TO RESUME.

18 AND I JUST ABOUT HAVE THIS MEMORIZED:

19 SQUARE D IS NO LONGER A PARTY TO THIS CASE.
20 DO NOT SPECULATE AS TO WHY SQUARE D IS NO LONGER A PARTY
21 TO THE CASE, AND YOU SHOULD NOT CONSIDER THIS DURING
22 YOUR DELIBERATIONS.

23 NOW, MR. GILL, DO YOU WANT TO SIT THERE, OR
24 DO YOU WANT TO JUST GO?

25 MR. GILL: I'LL SIT IN THE BACK, YOUR HONOR, SO I
26 DON'T DISTRACT ANYONE.

27 THE COURT: THANK YOU VERY MUCH.

28 SO NOW WE'RE GOING TO RESUME, AND WE'RE

1 GOING TO HEAR FROM DEFENDANTS. AND WE'RE GOING TO BEGIN
2 WITH MR. DAVIS, FOR CRANE.

3 MR. DAVIS: THANK YOU, YOUR HONOR. MAY I GO UP
4 FRONT?

5 THE COURT: YES, YOU MAY.

6 MR. DAVIS: AS YOU MAY HAVE GUESSED, CRANE CO. IS
7 STILL A PARTY IN THIS CASE. AS I SAID, I'M GEOFF DAVIS.
8 JAMES LEE -- STAND UP -- IS HERE WITH ME FROM CRANE CO.,
9 AND HE'S GOING TO BE ASSISTING ME AT COUNSEL TABLE
10 THROUGHOUT THE CASE.

11 AND WE'RE HERE TO TALK TO YOU -- I'M HERE TO
12 TALK TO YOU THIS MORNING ABOUT HOW WE BELIEVE THE
13 EVIDENCE IS GOING TO COME OUT IN THIS CASE AND WHAT
14 WE'RE GOING PROVE TO YOU OVER THE NEXT COUPLE OF WEEKS.
15 HOPEFULLY, THE TRIAL IS GETTING SHORTER, AS THE JUDGE
16 READS THESE INSTRUCTIONS TO YOU.

17 FIRST OF ALL, THERE IS NO DOUBT THAT MR.
18 WOODARD HAS A TERRIBLE DISEASE. THERE'S NO DISPUTE
19 ABOUT THAT. THERE'S NO DISPUTE AS TO WHETHER HE WAS AT
20 FAULT FOR CAUSING THAT DISEASE. I AND MR. LEE, THE
21 OTHER DEFENDANTS, WE ALL SYMPATHIZE WITH THE WOODARD
22 FAMILY. THIS IS NOT OF THEIR DOING. BUT AT THE SAME
23 TIME, WE'RE HERE BECAUSE CRANE CO. NEEDS ITS DAY IN
24 COURT, BECAUSE THIS IS NOT CRANE CO.'S DOING.

25 PLAINTIFFS SUED A NUMBER OF DEFENDANTS.
26 THEY'RE ALLEGING THAT IT IS THE FAULT OF CRANE CO. AND
27 THE OTHERS WHO ARE HERE, BUT WE'RE GOING TO SHOW YOU
28 THROUGH THE EVIDENCE THAT IT WAS NOT.

1 AND WE NEED TO NOT FORGET THE FACT THAT IT'S
2 A LOT -- LIFE IS A LOT MORE COMPLICATED NOW THAN IT WAS
3 65 YEARS AGO OR SO, WHEN THE SHIPS WERE BUILT, OR EVEN
4 40 YEARS SO AGO, WHEN MR. WOODARD WAS SERVING IN THE
5 NAVY. WE HAVE HUGE CORPORATIONS THAT ARE DESTROYING
6 PEOPLE'S LIVES NOW THROUGH FINANCES AND OTHER
7 ACTIVITIES, AND PEOPLE ARE VERY CYNICAL; BUT WE'RE GOING
8 TO SHOW YOU THROUGH THE EVIDENCE THAT IT WAS A LOT
9 DIFFERENT BACK THEN.

10 THERE WAS NO EMAIL; THERE WAS NO INTERNET;
11 NO COMPUTERS, PRACTICALLY. THINGS WERE MUCH SIMPLER.
12 AND WHEN THE SHIPS THAT ARE AT ISSUE IN THIS CASE -- ONE
13 OF WHICH IS CALLED THE USS ROGERS; ONE OF WHICH IS
14 CALLED THE USS SALISBURY SOUND -- WHEN THESE SHIPS WERE
15 BUILT, IT WAS STILL THE MIDDLE OF WORLD WAR II. THEY
16 WERE DESIGNED IN WORLD WAR II. THEY NAVY CONTRACTED AND
17 HAD THEM BUILT IN WORLD WAR II. AND THEY WERE ACTUALLY
18 CONSTRUCTED, FOR THE MOST PART, BEFORE THE WAR ENDED.

19 THE COUNTRY LITERALLY WAS AT WAR. AND ONE
20 OF THE THINGS THAT THE NAVY DECIDED TO DO WAS,
21 OBVIOUSLY, TO EXPAND THE SIZE OF THE NAVY GREATLY,
22 BECAUSE IT WAS FIGHTING A WAR ACROSS TWO OCEANS. AND IT
23 BUILT UP THE NAVY VERY QUICKLY. MR. PAUL TOLD YOU A
24 LITTLE BIT ABOUT THAT. YOU'LL HEAR MORE ABOUT IT DURING
25 THE TRIAL.

26 THE WAY THE NAVY BUILT UP THE NAVY VERY
27 QUICKLY WAS TO CONTRACT WITH VARIOUS COMPANIES TO SUPPLY
28 THE PARTS THEY NEEDED TO MAKE THE SHIPS. THEY BOUGHT

1 STEEL FROM SOME COMPANIES. THEY BOUGHT VALVES, LIKE
2 CRANE CO. MAKES, FROM SOME COMPANIES. THEY BOUGHT
3 PUMPS. THEY BOUGHT DEAERATING FEED TANKS. THEY BOUGHT
4 BOILERS AND TURBINES AND THOUSANDS AND THOUSANDS OF
5 PARTS TO BUILD THESE MASSIVELY COMPLEX VESSELS TO FIGHT
6 THE WAR.

7 SOME WERE SUPPORT VESSELS, LIKE THE SECOND
8 ONE MR. WOODARD SERVED ON, WHICH WAS A SEA PLANE TENDER,
9 AND IT REPAIRED AND SERVICED SEA PLANES THAT WERE ACTIVE
10 IN THE WAR EFFORT. OTHERS WERE DIRECT FRONT-LINE
11 FIGHTING SHIPS, LIKE THE DESTROYER HE SERVED ON FIRST.

12 BUT THEY ALL WERE PART OF THE WAR EFFORT.
13 THEY ALL USED THOUSANDS AND THOUSANDS OF DIFFERENT PARTS
14 PROCURED FROM MANY DIFFERENT COMPANIES, AND ALL, AS THE
15 EVIDENCE WILL SHOW, TO EXACTING MILITARY SPECIFICATIONS.

16 THE GOVERNMENT DIDN'T JUST CALL UP AND SAY,
17 "SEND US A BUNCH OF VALVES" OR "SEND US SOME STEEL."
18 THEY TOLD THE COMPANIES THAT THEY BOUGHT FROM EXACTLY
19 WHAT THEY WANTED, EXACTLY HOW IT HAD TO BE MADE, EXACTLY
20 HOW IT HAD TO BE PACKAGED, AND EVEN WHAT THE WRITING ON
21 THE OUTSIDE OF THE PACKAGE HAD TO SAY.

22 WE'RE GOING TO PRESENT YOU EVIDENCE OF THAT
23 THROUGH WITNESSES, PARTICULARLY EXPERT WITNESSES. WE'RE
24 GOING TO PRESENT TO YOU A RETIRED ADMIRAL IN THE U.S.
25 NAVY WHO WILL TALK A LOT ABOUT THAT. YOU'LL HEAR FROM
26 MR. PAUL'S WITNESSES AND PERHAPS OTHERS ABOUT THIS
27 ISSUE, AS WELL.

28 SO YOU'LL ALSO HEAR, AS MR. PAUL SAID, ABOUT

1 SOME OF THE SCIENCE, THE MEDICINE ISSUES.

2 AND WHAT YOU DIDN'T HEAR FROM MR. PAUL IS
3 WHETHER OR NOT ANYBODY, IN 1943, WHEN THE SHIPS WERE
4 BEGINNING TO BE CONSTRUCTED AND WHEN THE VALVES AND
5 OTHER PRODUCTS WERE MADE THAT WENT INTO THOSE SHIPS --
6 THAT NOBODY WAS CONCERNED THAT THE PARTS, THE COMPONENT
7 PARTS OF VALVES THAT DID SOMETIMES CONTAIN ASBESTOS,
8 WERE HARMFUL TO PEOPLE, THAT THEY CAUSED ANY KIND OF
9 CANCER.

10 THE NAVY WAS WELL AWARE OF CERTAIN RISKS OF
11 MESOTHELIOMA -- EXCUSE ME, OF ASBESTOS, BUT NOBODY KNEW
12 THE WORD "MESOTHELIOMA," FIRST OF ALL. NOBODY WAS
13 WORRIED ABOUT THE GASKETS AND THE PACKING, THAT YOU
14 HEARD MR. PAUL TALK ABOUT, CAUSING ANY DISEASE, LET
15 ALONE THE ONE THAT MR. WOODARD GOT.

16 THE NAVY, HOWEVER, WAS CONCERNED, HAD
17 KNOWLEDGE, THAT INSULATION WAS HAZARDOUS. ITS KNOWLEDGE
18 GREW OVER THE YEARS, AND IT WAS WELL AWARE OF INSULATION
19 HAZARDS BEFORE MR. WOODARD WENT IN THE NAVY. WE'LL
20 PRESENT EVIDENCE OF THAT TO YOU.

21 SO WHAT WE WANT YOU TO DO IS KEEP AN OPEN
22 MIND. I'M GOING TO EXPAND ON SOME OF THESE TOPICS HERE
23 OVER THE NEXT FEW MINUTES. AND I'M GOING TO TRY NOT TO
24 TALK AS LONG AS MR. PAUL.

25 BUT WE WANT YOU TO KEEP AN OPEN MIND
26 THROUGHOUT THE ENTIRE CASE. THAT'S WHAT YOUR OATH WAS,
27 AND THAT'S WHAT WE NEED YOU TO DO: HEAR THE EVIDENCE ON
28 BOTH SIDES. PLAINTIFFS GET TO PRESENT THEIR EVIDENCE

1 FIRST. WE WILL GO SECOND. SO YOU'LL HEAR THEIR
2 WITNESSES AND THEIR EVIDENCE FIRST AND OURS AFTERWARDS.
3 AND WE WOULD ASK YOU TO KEEP AN OPEN MIND AND WAIT UNTIL
4 YOU'VE HEARD IT ALL BEFORE YOU MAKE UP YOUR MIND.

5 AND THE EVIDENCE THAT WE'RE GOING TO
6 PRESENT -- SOME OF WHICH WILL COME IN IN PLAINTIFFS'
7 CASE, SOME IN THE DEFENSE CASE LATER -- IS THAT MR.
8 WOODARD'S DISEASE, MESOTHELIOMA, WAS CAUSED BY HIS
9 EXPOSURE TO THERMAL INSULATION PRODUCTS WHILE HE WAS IN
10 THE NAVY.

11 WE DON'T DISPUTE THAT THE MESOTHELIOMA WAS
12 CAUSED BY ASBESTOS OR THAT IT WAS CAUSED BY ASBESTOS MR.
13 WOODARD WAS EXPOSED TO IN THE NAVY, BUT IT WAS THE
14 INSULATION PRODUCTS THAT WE'LL PROVE TO YOU WERE THE
15 CAUSE.

16 THERE'S TWO REASONS WHY THEY WERE THE CAUSE,
17 TWO MAIN REASONS. ONE IS, THEY RESULT IN HIGH EXPOSURES
18 COMPARED TO OTHER PRODUCTS. YOU'LL HEAR EVIDENCE THAT
19 INSULATION RESULTED IN VERY HIGH EXPOSURES. GASKETS AND
20 PACKING, IF ANY EXPOSURE AT ALL, WERE VERY LOW.

21 YOU'LL ALSO HEAR THAT INSULATION PRODUCTS
22 OFTEN CONTAINED AMOSITE ASBESTOS. THERE ARE MULTIPLE
23 TYPES OF ASBESTOS. AMOSITE ASBESTOS IS ONE OF THE
24 AMPHIBOLE FAMILY OF ASBESTOS FIBERS. IT'S MUCH MORE
25 POTENT -- YOU'LL HEAR THAT IT'S MUCH MORE POTENT IN
26 CAUSING CANCERS THAN CHRYSOTILE ASBESTOS, OR WHAT SOME
27 PEOPLE CALL "WHITE ASBESTOS," THAT WAS IN GASKETS AND
28 PACKING -- IF ANY ASBESTOS WAS AT ALL.

1 YOU'LL HEAR ABOUT WHAT THE NAVY DID OR
2 DIDN'T DO TO PROTECT SAILORS AND SEAMEN IN THE NAVY.
3 AND THE REALITY IS, THEY DIDN'T DO MUCH, IF ANYTHING AT
4 ALL. THE NAVY WAS AWARE THAT INSULATION WAS HAZARDOUS
5 WELL BEFORE MR. WOODARD WAS IN THE NAVY, AND IT DIDN'T
6 TELL HIM TO WEAR A MASK OR TO WET DOWN THE AREAS, AND IT
7 DIDN'T PROVIDE HIM WITH THE TOOLS AND KNOWLEDGE TO
8 PROTECT HIMSELF, OR ANY KIND OF TRAINING ABOUT ASBESTOS
9 HAZARDS IN ORDER FOR HIM AND OTHER SAILORS LIKE HIM TO
10 PROTECT THEMSELVES.

11 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
12 CRANE CO. DID NOT MAKE OR SELL THE INSULATION THAT WAS
13 IN THESE NAVY VESSELS ON WHICH MR. WOODARD SERVED. THE
14 NAVY BOUGHT INSULATION FROM INSULATION MANUFACTURERS. IT
15 BOUGHT VALVES FROM VALVE MANUFACTURERS, AND IT BOUGHT
16 PUMPS FROM PUMP MANUFACTURERS. THAT'S THE WAY IT WORKS.
17 WE'LL PRESENT EVIDENCE TO YOU OF THAT.

18 SO IF ANY CRANE VALVE CONTAINED ANY ASBESTOS
19 AT ALL, IT WAS IN THE PACKING, WHICH -- I DON'T HAVE A
20 VALVE HERE TO SHOW YOU TODAY. BUT UNLIKE YOUR KITCHEN
21 FAUCET, THE WAY A VALVE -- MOST VALVES IN THE NAVY WOULD
22 OPERATE, IT'D BE A BIG -- LIKE A ROUND HANDLE, WITH A
23 STEM. THE PACKING WOULD BE WRAPPED AROUND THE STEM,
24 INSIDE THE VALVE, COVERED UP WITH OTHER METAL PARTS, IN
25 ORDER TO PREVENT LEAKAGE THROUGH THE STEM FROM THE
26 MATERIAL RUNNING THROUGH THE VALVE.

27 THERE'S BE A FEW OUNCES OF PACKING IN ONE OF
28 THESE SEVERAL-HUNDRED-POUND VALVES THAT MR. PAUL TOLD

1 YOU ABOUT. THAT PACKING SOMETIMES CONTAINED ASBESTOS.
2 SOME VALVES DIDN'T HAVE PACKING AT ALL. OTHER VALVES
3 COULD HAVE HAD ASBESTOS GASKETS INSIDE THEM. AND THEN
4 THE NAVY SELECTED AND ELECTED TO USE ASBESTOS GASKETS TO
5 ATTACH A VALVE TO THE NEXT PIECE OF EQUIPMENT DOWN THE
6 LINE, EITHER A PIPE OR A PUMP OR SOMETHING ELSE.

7 THESE PRODUCTS -- GASKETS AND PACKING --
8 WERE THE CHRYSOTILE TYPE OF ASBESTOS, WITH LOW
9 EXPOSURES. AND WE'RE GOING TO PRESENT TO YOU EVIDENCE
10 THAT THOSE PRODUCTS DID NOT CAUSE OR CONTRIBUTE TO MR.
11 WOODARD'S DISEASE. IT WAS THE HEAVY EXPOSURES TO THE
12 INSULATION PRODUCTS THAT DID.

13 AS I SAID, NAVY WARSHIPS ARE VERY COMPLEX.
14 SOME OF THEM ARE SO BIG IT'S LIKE A FLOATING CITY. THEY
15 HAVE TO BE SELF-SUFFICIENT FOR WEEKS OR MONTHS AT A
16 TIME. THEY HAVE TO BE ABLE TO WITHSTAND COMBAT. THEY
17 HAVE TO SUPPORT HUNDREDS OR THOUSANDS OF PEOPLE ONBOARD
18 WITH FOOD, DRINKING WATER, EVERYTHING -- ELECTRICITY,
19 EVERYTHING THEY NEED.

20 AND THESE ARE ESSENTIALLY -- YOU'LL HEAR
21 EVIDENCE TO THE EFFECT, THESE ARE ESSENTIALLY THE MOST
22 COMPLEX THINGS PEOPLE CAN BUILD. AND THE NAVY WAS THE
23 ONE THAT DESIGNED THEM AND CONTRACTED FOR THEIR
24 CONSTRUCTION AND SELECTED THE PARTS TO PUT IN THEM. AND
25 WE'RE TALKING LITERALLY HUNDREDS OR THOUSANDS OF TONS OF
26 VARIOUS PIECES OF EQUIPMENT AND MATERIAL.

27 ONE OF THOSE MATERIALS THAT THE NAVY USED
28 WAS ASBESTOS. THERE'S NO DISPUTE ABOUT THAT, EITHER.

1 THEY USED ASBESTOS FOR INSULATION. THE NAVY USED
2 ASBESTOS FOR GASKETS, FOR PACKING. THEY USED IT IN A
3 LOT OF DIFFERENT PARTS OF THE SHIPS, FOR A LOT OF
4 DIFFERENT PURPOSES. THE MAIN REASON IT WAS USED WAS
5 BECAUSE IT WAS LIGHT WEIGHT, FIRE RESISTANT, AND HEAT
6 RESISTANT.

7 AND THE NAVY DECIDED THAT ASBESTOS WAS
8 CRITICAL TO ITS MISSION IN WORLD WAR II. THERE WAS AN
9 EXECUTIVE ORDER SIGNED BY THE PRESIDENT THAT LISTED IT
10 AS ONE OF A NUMBER OF CRITICAL MATERIALS. IT WAS
11 ACTUALLY PULLED OUT OF SOME USES IN -- COMMERCIAL AND
12 INDUSTRIAL USES IN THE UNITED STATES, CIVILIAN USES, AND
13 RESERVED TO MAKE SURE THE NAVY HAD ENOUGH FOR ITS USES.

14 YOU'RE ALSO GOING TO HEAR THAT THE NAVY
15 DIDN'T JUST START USING ASBESTOS ALL OF A SUDDEN IN THE
16 '40S WITHOUT ANY KNOWLEDGE OF WHAT IT DID OR HOW IT
17 WORKED. THE NAVY HAD BEEN STUDYING ASBESTOS FOR MANY
18 YEARS AND HAD BEEN USING ASBESTOS FOR MANY YEARS.

19 THE NAVY HAD VARIOUS BRANCHES OR DEPARTMENTS
20 WITHIN THE NAVY THAT WERE ORGANIZED TO LOOK AT MEDICAL
21 ISSUES AND SAFETY ISSUES FOR SERVICEMEN. AND THEY BEGAN
22 LOOKING AT HEALTH ISSUES RELATING TO ASBESTOS AS EARLY
23 AS THE 1920S. AND THE NAVY HAD KNOWLEDGE OF THE
24 POTENTIAL RISKS FROM ASBESTOS GOING BACK TO THAT POINT

25 WE'LL HAVE AN EXPERT COME IN. YOU'LL HEAR
26 DR. FOREMAN, DR. SAMUEL FORMAN, COME IN. HE WAS IN THE
27 NAVY, AND IT WAS HIS JOB TO RESEARCH WHAT THE NAVY KNEW
28 AND WHEN IT KNEW IT. AND HE'LL TALK TO YOU ABOUT WHAT

47 1 THE NAVY KNEW BACK IN THE 1920S, THE 1930S, THE 1940S. 2 THE NAVY -- I THINK YOU HEARD IN MINI OPENINGS, THE NAVY 3 IN THE '40S HIRED SOME HARVARD SPECIALISTS TO GO DO 4 RESEARCH FOR THEM AT SHIPYARDS WHERE THEY MADE SHIPS. 5 NOW, THEY CONCLUDED AT THE TIME THAT EVEN 6 THE INSULATORS WORKING WITH THE INSULATION PRODUCTS, 7 THAT WERE HIGH EXPOSURES WITH THE AMOSITE-TYPE 8 INSULATION, WERE NOT AT SERIOUS RISK FOR DISEASE. BUT 9 THE NAVY WAS LOOKING INTO IT, AND THAT STUDY CONCLUDED 10 THAT EVERYBODY COULD EASILY BE PROTECTED IF THE RIGHT 11 PRECAUTIONS WERE TAKEN. 12 UNFORTUNATELY, THE NAVY DID NOT IMPLEMENT 13 THOSE PRECAUTIONS -- AT LEAST NOT FOR SERVICEMEN SERVING 14 ON THE SHIPS, LIKE MR. WOODARD. THE NAVY -- DESPITE ITS 15 RESEARCH AND ITS KNOWLEDGE OF ASBESTOS, THE NAVY 16 CONCLUDED THAT THE PEOPLE LIKE MR. WOODARD SIMPLY 17 WEREN'T AT RISK. 18 WELL, THE NAVY GOT IT WRONG BECAUSE OF THE 19 INSULATION. BUT THE NAVY ALSO CONCLUDED THAT GASKETS 20 AND PACKING WERE OF NO RISK. AND THE EVIDENCE IS GOING 21 TO SHOW YOU THAT THAT IS ACTUALLY TRUE. 22 THE GASKETS AND PACKING WERE LOW-DOSE 23 EXPOSURES. MR. PAUL ALSO TOLD YOU ABOUT THAT: IT'S A 24 DOSE DISEASE. THE MORE YOU GET, THE MORE LIKELY TO 25 CAUSE INJURY. 26 BUT THE GASKET AND PACKING EXPOSURES, WE 27 WILL SHOW YOU, WERE SO LOW, AND WITH THE LOWER POTENCY 28 ASBESTOS TYPE, CHRYSOTILE, THAT THEY DID NOT CAUSE OR	49 1 OFFICERS ON THEIR SHIPS, AND NOT TRAINING THEIR 2 COWORKERS ON THE SHIPS TO AVOID THESE EXPOSURES. 3 AS I SAID, THE NAVY WAS IN BASICALLY 4 COMPLETE CONTROL OF THE ENTIRE PROCESS OF SHIP 5 CONSTRUCTION. AND CONTROL IS GOING TO BE A MAJOR THING 6 YOU HEAR ABOUT DURING THIS TRIAL. 7 WE'RE GOING TO PRESENT TO YOU EVIDENCE, 8 THROUGH BOTH PLAINTIFFS' WITNESS AND OURS, THAT THE 9 NAVY, AS I SAID, DESIGNED THE SHIPS, CONTRACTED FOR THE 10 PURCHASE OF THE EQUIPMENT, CONTRACTED FOR OR BUILT THEM 11 THEMSELVES WITH THAT EQUIPMENT THAT THEY BOUGHT. THEY 12 DEVELOPED DETAILED MILITARY SPECIFICATIONS TO TELL THE 13 PRODUCT SELLERS EXACTLY WHAT THEY WANTED. 14 WE'LL PRESENT TO YOU EVIDENCE THAT THAT WAS 15 DONE FOR VERY SPECIFIC REASONS. THEY DIDN'T WANT ANY 16 COMPLICATIONS. THEY WANTED BOTH SIDES TO BE ABSOLUTELY 17 SURE THEY WERE IN AGREEMENT AS TO WHAT WAS BEING SENT TO 18 THE NAVY AND EXACTLY HOW IT WOULD OPERATE AND EXACTLY 19 WHAT IT WOULD LOOK LIKE AND EXACTLY HOW BIG IT WAS. 20 BECAUSE IF THE NAVY WANTED TO BUY A VALVE 21 THAT WAS DESIGNED TO FIT IN A SPACE THIS SIZE, AND THEY 22 WEREN'T SPECIFIC, AND THEY GOT A VALVE FOR THIS BIG, 23 THEY'VE GOT A PROBLEM. 24 THUS WE'RE GOING TO PRESENT TO YOU EVIDENCE 25 THAT THE NAVY'S MILITARY SPECIFICATIONS WERE INCREDIBLY 26 DETAILED. THE NAVY DICTATED BASICALLY EVERY DETAIL OF 27 EVERY PRODUCT, AS I SAID, DOWN TO THE WRITING ON THE 28 PACKAGING ON THE OUTSIDE.
48 1 CONTRIBUTE TO MR. WOODARD'S DISEASE. AND IT WAS THE 2 AMOSITE-TYPE INSULATION AND THE AMOSITE-TYPE ASBESTOS IN 3 THE INSULATION THAT DID. 4 BY THE 1940S, THE NAVY WAS WELL AWARE THAT 5 SHIPYARD WORKERS WERE AT RISK FOR ASBESTOSIS. THIS IS 6 THROUGH THE RESEARCH THEY HAD DONE. THEIR INDUSTRIAL 7 HYGIENE AND MEDICAL DEPARTMENTS HAD DETERMINED THIS. 8 THEY RECOMMENDED -- THE NAVY RECOMMENDED THE USE OF 9 RESPIRATORS FOR SHIPYARD WORKERS AND INSULATORS BY THE 10 '40S. 11 AS I SAID, THE NAVY HIRED SOME HARVARD 12 RESEARCHERS, AND SOME NAVY PERSONNEL PARTICIPATED WITH 13 THEM TO PERFORM A STUDY. YOU'LL HEAR ABOUT THIS, THE 14 FLEISCHER-DRINKER STUDY, WHICH WAS RELEASED IN THE MID 15 '40S. THEY CONCLUDED THAT THE INSULATION WORKERS WERE 16 NOT ENGAGED IN A DANGEROUS OCCUPATION. 17 THE NAVY RELEASED FURTHER PUBLICATIONS, 18 INTERNALLY AND EXTERNALLY, OVER THE NEXT DECADES. IN 19 1962, THE NAVY CONCLUDED AND WROTE THAT WORKERS SHOULD 20 AVOID CARELESS CREATION OF DUSTY CONDITIONS; THEY SHOULD 21 WET DOWN MATERIALS WHEN POSSIBLE AND USE RESPIRATORY 22 MATERIAL. 23 THAT WAS IN THE FIRST OR SECOND YEAR OF MR. 24 WOODARD'S NAVY SERVICE, BUT THEY DIDN'T TRAIN MR. 25 WOODARD TO DO THAT. THE NAVY WAS LOOKING, 26 UNFORTUNATELY, IN THE WRONG PLACE AND EXCLUDING PEOPLE 27 WHO WERE AT RISK FROM INSULATION EXPOSURES, LIKE MR. 28 WOODARD, AND NOT TRAINING THEM, NOT TRAINING THEIR	50 1 THERE WAS MILITARY SPECIFICATIONS FOR 2 PENCILS AND FOR WHAT FOOD WENT IN THE KITCHEN, IN THE 3 GALLEY, IN THE SHIPS. THEY SAID HOW MANY BAGS OF 4 MARSHMALLOWS TO KEEP IN THE GALLEY. AND THAT'S HOW 5 DETAILED THEY WERE. 6 IN COORDINATION WITH MILITARY 7 SPECIFICATIONS, THE NAVY DEVELOPED QUALIFIED PRODUCTS 8 LISTS. THAT PROVIDED NAVY SUPPLY OFFICERS, THE PEOPLE 9 WHO BOUGHT THE EQUIPMENT AND MATERIALS, A LIST OF WHAT 10 COMPANIES WERE APPROVED TO SELL WHICH PRODUCTS TO THE 11 NAVY UNDER THOSE SPECIFICATIONS. 12 THEY HAD GONE THROUGH AND THEY'D FIGURED OUT 13 IF CERTAIN VALVES, FOR INSTANCE, MET THE SPECIFICATION, 14 YOU COULD CALL CRANE CO. OR ANOTHER VALVE MANUFACTURER 15 AND GET THE VALVE THAT WAS LISTED. 16 YOU COULD CALL A GASKET MANUFACTURER, IF YOU 17 WERE A NAVY SUPPLY OFFICER, AND YOU COULD GET GASKETS. 18 AND THE NAVY COULD CALL UP INSULATION MANUFACTURERS AND 19 GET THE INSULATION THAT WAS APPROVED. BECAUSE NOT ALL 20 GASKETS WERE APPROVED, AND NOT ALL INSULATION WAS 21 APPROVED, AND NOT ALL VALVES WERE APPROVED, EITHER. 22 SO THE NAVY HAD THESE DETAILED 23 SPECIFICATIONS, AND THEY HAD THE LISTS OF WHO COULD MAKE 24 PRODUCTS THAT MET THE SPECIFICATIONS. 25 THE EVIDENCE WILL THEN SHOW YOU THAT WHAT 26 THE NAVY DID WAS, THEY WOULD HAVE THE SHIPYARD -- EITHER 27 A NAVAL SHIPYARD OR A CIVILIAN SHIPYARD THAT CONTRACTED 28 WITH THE NAVY -- DO THE FULL DESIGN OF THE SHIP. THEY

1 WOULD FIGURE OUT EXACTLY HOW MANY VALVES OF WHICH TYPE
2 THEY NEEDED AND EXACTLY HOW MANY OF EVERYTHING THEY
3 NEEDED, AND THEN THEY WOULD SEND IT OUT FOR BIDS AND, IN
4 TURN, THE CONTRACTS TO PROCURE THOSE ITEMS.

5 THEY WOULD HAVE DRAWINGS, COMPLEX, THOUSANDS
6 OF PAGES OF DRAWINGS OF THESE SHIPS, IN ORDER TO MAKE
7 SURE THAT EVERYBODY KNEW WHERE EVERYTHING WENT. AND
8 WHEN THE PEOPLE BUILDING THE SHIP -- WOULD THEY GOT A
9 VALVE, THEY WOULD KNOW WHERE IT WENT; OR WHEN THEY GOT A
10 PUMP, THEY KNEW EXACTLY WHERE IT WENT AND WHAT IT WAS
11 HOOKED UP TO.

12 THE NAVY TESTED THE PRODUCTS THAT PEOPLE --
13 THAT COMPANIES SOLD THEM BEFORE THEY GOT THEM. THEY
14 TESTED THEM AFTER THEY RECEIVED THEM. THEY TESTED THE
15 SHIPS BEFORE THEY RELEASED THEM TO BE USED IN WAR OR
16 OTHER NAVAL OPERATIONS.

17 SO THE NAVY WAS INTIMATELY INVOLVED IN ALL
18 ASPECTS OF NOT ONLY THE DESIGN AND CONSTRUCTION OF THE
19 SHIPS, BUT THE DESIGN AND CONSTRUCTION OF THE PRODUCTS
20 THEY BOUGHT TO GO IN THE SHIPS.

21 THE VALVES THAT THE NAVY PURCHASED -- AND
22 IT'S VERY SIMPLE. SOMETIMES WE OVERSIMPLIFY IT AND SAY
23 A VALVE IS SIMILAR TO YOUR KITCHEN FAUCET. THAT'S A
24 TYPE OF VALVE. THERE'S ARE LOTS OF TYPES OF VALVES.

25 MOST PEOPLE -- AND I NEVER HEARD OF THEM
26 BEFORE I STARTED DOING THIS, BUT MOST PEOPLE HAVE NEVER
27 HEARD OF THEM. WE'LL PRESENT TO YOU SOME EVIDENCE ABOUT
28 THEM. YOU'LL HEAR ABOUT AT LEAST A COUPLE TYPES OF

1 VALVES.

2 SOME VALVES SIMPLY TURN THE FLOW OF A LIQUID
3 OR GAS ON OR OFF. SOME ALLOW IT TO BE CONTROLLED, LIKE
4 A FAUCET, SO THAT YOU CAN HAVE LESS OR MORE FLOW.
5 OTHERS ARE MORE AUTOMATIC AND, IF TOO MUCH PRESSURE
6 BUILDS UP, RELEASES PRESSURE OR CUTS OFF THE FLOW OF A
7 FLUID. YOU'LL HEAR ABOUT THESE.

8 BUT THEY HAVE DIFFERENT FUNCTIONS. THEY'RE
9 CONNECTED TO OTHER EQUIPMENT IN DIFFERENT WAYS. SOME
10 USE GASKETS AND SOME DON'T.

11 THEY ARE ON DIFFERENT SYSTEMS. SOME OF THEM
12 ARE ON THESE HOT SYSTEMS MR. PAUL TALKED ABOUT. WELL,
13 THESE SHIPS HAD COLD WATER TOO. SOME OF THEM WERE ON
14 COLD SYSTEMS; SOME OF THEM WERE ON A SEA WATER SYSTEM,
15 WHERE THEY BROUGHT SEA WATER IN TO FIGHT FIRES.

16 AND WE'LL PRESENT TO YOU EVIDENCE ABOUT THE
17 DIFFERENT TYPES OF VALVES. THEY WERE MADE BY LOTS OF
18 MANUFACTURERS, NOT JUST CRANE CO. AND NOT ALL THE
19 VALVES CONTAINED ASBESTOS. SOME DIDN'T CONTAIN ANY AT
20 ALL. SOME CONTAINED ASBESTOS GASKETS, SOME CONTAINED
21 ASBESTOS PACKING, AND SOME CONTAINED BOTH.

22 BUT THE EVIDENCE IS GOING TO SHOW YOU THAT
23 CRANE CO. DIDN'T -- FIRST OF ALL, DIDN'T MAKE THE
24 GASKETS OR THE PACKING. THEY MADE THE METAL VALVE.
25 CRANE CO., IF IT PUT ANY ASBESTOS GASKET OR PACKING IN
26 THE VALVES, BOUGHT IT FROM SOMEONE ELSE. CRANE CO. DID
27 NOT PUT INSULATION ON THE VALVES.

28 AND THE NAVY DID NOT BUY ITS INSULATION OR

1 ITS EXCESS GASKETS OR PACKING FROM CRANE CO. IN FACT,
2 NOT ONLY DID CRANE CO. NOT SELL THE INSULATION TO THE
3 NAVY, THE VALVES DIDN'T REALLY NEED IT. THE NAVY PUT
4 THE INSULATION ON THE VALVES AND THE OTHER EQUIPMENT IN
5 THE ENGINE ROOMS, MOSTLY, BECAUSE IT WAS VERY HOT. THE
6 STEAM SYSTEMS, FOR INSTANCE, OPERATED AT AS MUCH AS --
7 MR. PAUL SAID UP TO 800 DEGREES OR MORE.

8 THAT'S BECAUSE THERE WAS A BOILER THAT
9 GENERATED THAT HEAT, AND IT CREATED STEAM. AND IF THEY
10 DIDN'T PUT INSULATION ON IT, A, SAILORS COULD GET BURNED
11 AND INJURED; AND B, IT'LL BE SO HOT IN THE ENGINE ROOM,
12 THEY COULDN'T WORK.

13 I THINK YOU'LL HEAR TESTIMONY THAT THE
14 ENGINE ROOM, EVEN WITH THE INSULATION, WOULD BE WELL
15 OVER 100 DEGREES, JUST ON A ROUTINE BASIS.

16 BUT THE VALVES DID NOT NEED INSULATION TO
17 OPERATE. THE NAVY ELECTED TO INSULATE THEM IN ORDER TO
18 MAKE THE AREA MORE HABITABLE AND, IN RELATIVE TERMS, A
19 MORE COMFORTABLE TEMPERATURE AND TO PREVENT PEOPLE FROM
20 BEING BURNED.

21 IN ADDITION TO THE NAVY, CRANE CO. MADE
22 VALVES TO SELL TO LOTS OF OTHER COMPANIES -- I'M SORRY,
23 FOR LOTS OF OTHER USES BESIDES NAVY VESSELS. VALVES
24 HAVE A LOT OF PURPOSES. AS I SAID, SOME OF THEM CONTROL
25 THE FLOW. SOME OF THEM JUST ARE AUTOMATIC AND RELEASE
26 WHEN PRESSURE BUILDS UP.

27 BUT LOTS OF THINGS FLOW THROUGH VALVES:
28 AIR, WATER, FUEL. ANYTHING THAT WAS LIQUID OR GAS THAT

1 HAD TO BE MOVED FROM ONE PART OF, IN THIS CASE, A NAVY
2 SHIP TO ANOTHER WENT THROUGH VALVES. MANY, MANY OF THEM
3 WERE NOT STEAM OR WERE THESE HOT PRODUCTS THAT MR. PAUL
4 TALKED ABOUT.

5 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
6 CRANE CO. IS A COMPANY THAT'S OVER 150 YEARS OLD.
7 STARTED IN THE 1800S, BEEN MAKING VALVES EVER SINCE.
8 AND THE PEOPLE OF CRANE CO. WORKED VERY HARD TO DESIGN
9 AND SELL A SAFE PRODUCT, AND THAT IT WAS IMPORTANT TO
10 THE COMPANY TO SUPPORT THE WAR EFFORT BY MAKING THESE
11 PRODUCTS THAT THE NAVY NEEDED TO KEEP THE SHIPS MOVING.

12 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
13 THE ASBESTOS GASKETS AND PACKING USED IN THE VALVES WAS
14 NOT HARMFUL, DID NOT CAUSE OR CONTRIBUTE TO MR.
15 WOODARD'S DISEASE.

16 WE'RE ALSO GOING TO SHOW YOU THAT -- ABOUT
17 ASBESTOS, IN GENERAL, THAT NOT ALL ASBESTOS EXPOSURE
18 CAUSES AN INJURY.

19 WE BELIEVE THE EVIDENCE WILL SHOW THAT THE
20 PLAINTIFFS' VIEW OF THIS IS OVERSIMPLIFIED; THAT WHEN
21 THEY SAY EVERY TIME YOU'RE EXPOSED, IT ADDS TO THE DOSE,
22 AND THAT'S ALL CONTRIBUTORY, THAT'S NOT WHAT THE SCIENCE
23 SHOWS. WE'LL PRESENT THAT THROUGH CROSS-EXAMINATION OF
24 PLAINTIFFS' WITNESSES AND THROUGH THE WITNESSES THAT WE
25 CALL.

26 BUT EVERYBODY IS EXPOSED TO BACKGROUND
27 LEVELS OF ASBESTOS. YOU'RE GOING TO HEAR THAT FROM, I
28 BELIEVE, THE VERY FIRST WITNESS THAT'S GOING TO TESTIFY.

1 DR. BRODKIN, AND YOU'RE GOING TO HEAR IT FROM SEVERAL
 2 OTHERS. AND THESE WITNESSES ADMIT THAT THERE IS A LEVEL
 3 OF ASBESTOS EXPOSURE THAT DOES NOT CAUSE DISEASE.
 4 ASBESTOS IS A NATURALLY OCCURRING MINERAL.
 5 IT'S NOT MANMADE. ESSENTIALLY, THE STATE ROCK OF
 6 CALIFORNIA IS A FORM OF ASBESTOS. IT'S ALL OVER A LOT
 7 OF PARTS OF THIS COUNTRY.
 8 AND IT WAS IN A LOT OF PRODUCTS, A LOT OF
 9 PRODUCTS THAT WERE IN PEOPLE'S HOUSES 40, 50 YEARS AGO,
 10 BEING USED FOR DAILY USE. THEATER CURTAINS WERE ONE
 11 EXAMPLE. POTHOLDERS WERE ANOTHER. PADS TO SET DOWN A
 12 HOT IRON. ALL KINDS OF THINGS IN PEOPLE'S HOUSES AND
 13 ALL KINDS OF PRODUCTS THAT WERE USED IN DAILY USE.
 14 AND THESE PRODUCTS, LIKE GASKETS AND
 15 PACKING, DID NOT CAUSE SUFFICIENT EXPOSURE TO CAUSE
 16 MESOTHELIOMA. IT'S STILL AN EXTREMELY RARE DISEASE,
 17 DESPITE THE FACT THAT IT WAS IN THOUSANDS OF PRODUCTS
 18 ALL OVER THE COUNTRY, USED BY MILLIONS OF PEOPLE.
 19 AS I SAID, THERE ARE DIFFERENT TYPES OF
 20 ASBESTOS. THE STATE ROCK TYPE OF ASBESTOS IS CALLED
 21 SERPENTINE. IT'S THE CHRYSOTILE FORM OF ASBESTOS. SOME
 22 PEOPLE CALL IT "WHITE ASBESTOS." IT'S A DIFFERENT
 23 CHEMICAL COMPOSITION FROM THE AMOSITE OR AMPHIBOLE TYPES
 24 OF ASBESTOS.
 25 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
 26 THE CHRYSOTILE TYPE OF ASBESTOS MORE READILY DISSOLVES
 27 IN THE BODY AND IS CARRIED OUT BY THE BODY'S DEFENSE
 28 MECHANISMS. YOU'LL HEAR A LOT ABOUT THE BODY'S DEFENSE

1 MECHANISMS, AS WELL, DURING THIS TRIAL AND HOW IT'S WHEN
 2 THOSE DEFENSE MECHANISMS CAN'T HANDLE AN INFILTRATE LIKE
 3 ASBESTOS THAT A DISEASE CAN OCCUR.
 4 AND WE'RE GOING TO PRESENT TO YOU EVIDENCE
 5 THAT THE CHRYSOTILE FORM OF ASBESTOS BREAKS DOWN AND IS
 6 REMOVED FROM THE BODY RELATIVELY QUICKLY. THE AMPHIBOLE
 7 TYPES OF ASBESTOS -- AMOSITE IS ONE OF THEM -- HAVE A
 8 DIFFERENT CHEMICAL COMPOSITION, AND THEY DON'T BREAK
 9 DOWN, AND THEY'RE NOT CARRIED OUT OF THE BODY THAT WAY
 10 THEY STAY IN THE BODY; AND THEY KEEP ASSAULTING THE
 11 DEFENSE MECHANISMS; AND IN SOME CASES, OVERWHELM THEM,
 12 AND CAUSE A DISEASE.
 13 THESE ARE THE TYPES OF PRODUCTS THAT WERE IN
 14 THE ASBESTOS INSULATION ON THE SHIPS. TYPICALLY, IT WAS
 15 ON THE PIPES, ON STRAIGHT PRODUCTS, STRAIGHT MATERIALS
 16 THAT NEED TO BE INSULATED, WAS LIKE TWO HALVES OF A
 17 CIRCLE THAT -- SOME PEOPLE CALLED IT A "CLAM SHELL";
 18 SOME PEOPLE CALLED IT A "HALF ROUND." IT WOULD BE TWO
 19 HALVES THAT WOULD GO AROUND A PIPE, AND THEN IT WOULD BE
 20 TAPED OR STRAPPED ONTO THE PIPE IN SOME WAY SO IT
 21 WOULDN'T FALL OFF.
 22 THIS STUFF WAS MADE WITH A LOT OF -- WITH
 23 AMOSITE ASBESTOS AND OTHER MATERIALS; NOT THE ONLY THING
 24 IN IT. THERE WERE ALSO SOME CEMENTS AND MUDS THAT WERE
 25 USED TO FILL JOINTS, FILL CRACKS, GO AROUND CORNERS,
 26 THINGS LIKE THAT. AGAIN, THESE ARE PRODUCTS WITH
 27 AMOSITE ASBESTOS. THEY WERE NOT MADE OR SOLD TO THE
 28 NAVY BY -- NOT MADE BY CRANE CO., NOT SOLD TO THE NAVY

1 BY CRANE CO.
 2 AS I SAID, THE GASKETS AND PACKING ARE
 3 DIFFERENT. FIRST OF ALL, THE INSULATION WAS VERY -- IT
 4 WAS LIKE A HUGE PIECE OF CHALK. AND IF YOU CAN PICTURE
 5 THAT, AND WE'LL PRESENT EVIDENCE OF THIS, JUST TOUCHING
 6 IT -- IF YOU TOUCH A PIECE OF CHALK, THERE'S DUST ON
 7 YOUR HAND. SAME THING WITH THIS INSULATION: ANY KIND
 8 OF DISTURBANCE RAISED THIS DUST.
 9 GASKETS AND PACKING ARE DIFFERENT. PACKING,
 10 WHEN NEW, IS PLIABLE. IT'S SOFT. IT'S LIKE A BIG KIND
 11 OF ROPE. AND MUCH OF IT HAD OIL OR SOME KIND OF
 12 LUBRICANT ON THE OUTSIDE, TO ALLOW IT TO BE PUT INTO THE
 13 TIGHT CAVITY AROUND THE STEM OF THE VALVE IN ORDER TO
 14 PREVENT LEAKAGE. MR. WOODARD DESCRIBED THIS IN HIS
 15 DEPOSITION.
 16 THE GASKETS ARE ALSO DIFFERENT FROM THE
 17 INSULATION. A NEW GASKET IS -- SOME PEOPLE DESCRIBE IT
 18 AS BASICALLY LIKE CARDBOARD. IT DOESN'T FALL APART IN
 19 YOUR HANDS. AS I SAID, THEY'RE MADE FROM CHRYSOTILE
 20 ASBESTOS, AND IT SIMPLY DOESN'T BREAK APART AND CAUSE
 21 THE SAME LEVELS OF EXPOSURE THAT ARE CAUSED BY THE
 22 INSULATION PRODUCTS.
 23 YOU'RE GOING TO HEAR THE INDUSTRIAL
 24 HYGIENISTS IN THIS CASE TALK ABOUT THE LEVELS OF
 25 EXPOSURE FROM THESE VARIOUS PRODUCTS. THE INSULATION
 26 EXPOSURES ARE HIGHER. THAT'S BECAUSE OF THE FRIABILITY
 27 OF THE PRODUCT. AND FRIABILITY IS ITS POTENTIAL TO
 28 RELEASE THE DUST AND THE PARTICLES. SOME THINGS CRUMB

1 IN YOUR HAND; THEY'RE EXTREMELY FRIABLE. SOME THINGS
 2 ARE MORE SOLID; THEY'RE NOT FRIABLE.
 3 SO WE'RE GOING TO PRESENT TO YOU EVIDENCE OF
 4 THE DOSE OF EXPOSURE THAT MR. WOODARD RECEIVED FROM THE
 5 VARIOUS PRODUCTS; AND THAT THE DOSE FROM THE INSULATION
 6 WAS VERY HIGH, AND THE DOSE FROM GASKETS AND PACKING, IF
 7 ANY, WAS VERY LOW.
 8 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
 9 THE POTENCY OF THE -- NOT THE POTENCY, BUT THE HIGHER
 10 DOSE PRODUCT, THE INSULATION, ALSO HAD A HIGHER POTENCY.
 11 IT'S MUCH MORE LIKELY TO CAUSE CANCER THAN THE DOSE MR.
 12 WOODARD RECEIVED FROM THE LOWER DOSE PRODUCTS, LIKE
 13 GASKETS AND PACKING WITH THE CHRYSOTILE ASBESTOS IN IT.
 14 AND WE'LL ALSO PRESENT TO YOU, AS I SAID,
 15 THAT THE AMOSITE TYPE OF ASBESTOS, WHICH HAS THE HIGHER
 16 DOSE AND THE HIGHER POTENCY, STAYS IN THE BODY AND
 17 CONTINUES TO DAMAGE THE BODY MUCH LONGER THAN THE LOWER
 18 DOSE, LOWER POTENCY CHRYSOTILE ASBESTOS IN GASKETS AND
 19 PACKING.
 20 NOW, AGAIN, MR. WOODARD SERVED IN THE NAVY
 21 FROM 1961 TO 1965, BUT THESE SHIPS WERE BUILT IN THE MID
 22 1940S. THEY WERE OVERHAULED A NUMBER OF TIMES, BOTH THE
 23 ROGERS AND THE SALISBURY SOUND, PRIOR TO MR. WOODARD
 24 JOINING THE NAVY AND SERVING ON EITHER ONE OF THOSE
 25 SHIPS.
 26 WE'RE GOING TO PRESENT TO YOU EVIDENCE THAT
 27 WHATEVER GASKETS AND PACKING WERE IN THE VALVES WHEN THE
 28 SHIPS WERE BUILT, THE CRANE CO. VALVES, THAT THOSE

59 1 GASKETS AND PACKING WERE LONG GONE BEFORE MR. WOODARD 2 GOT THERE. THEY JUST SIMPLY DON'T LAST 15 OR 20 YEARS. 3 WE'LL PRESENT TO YOU EVIDENCE FROM THE NAVAL 4 EXPERTS AND FROM OTHERS THAT THEY HAVE TO BE REPLACED 5 PERIODICALLY. AND THE NAVY WAS THE ONE, THROUGH ITS 6 CONTROL, THAT DECIDED WHAT REPLACEMENT GASKETS AND 7 PACKING TO BUY, WHO SHOULD INSTALL THEM, HOW THOSE 8 PEOPLE SHOULD GO ABOUT REMOVING THE OLD GASKETS AND 9 PACKING, HOW THEY SHOULD INSTALL THEM, WHAT THEIR 10 METHODS WERE, AND WHAT PROTECTION, IF ANY, TO GIVE THEM, 11 AND WHAT TRAINING TO GIVE THEM IN HOW TO DO THESE TASKS. 12 AND THE EVIDENCE WILL BE THAT THE NAVY 13 DIDN'T TRAIN MR. WOODARD OR OTHERS IN HIS SITUATION TO 14 PROTECT THEMSELVES FROM THE DUST FROM THE INSULATION 15 PRODUCTS THAT THEY HAD TO REMOVE TO GET TO SOME OF THIS 16 EQUIPMENT OR FROM ANY OF THE OTHER POTENTIALLY HARMFUL 17 AMOSITE INSULATION THAT WAS ALL OVER THESE SHIPS. 18 MR. WOODARD, IN FACT, SERVED ON THE 19 SALISBURY SOUND, WHICH WAS IN DRY DOCK FOR SEVERAL 20 MONTHS WHILE HE SERVED ON IT, WHILE HE CONTINUED TO LIVE 21 ON IT, WHILE CONTRACTORS CAME IN AND DID ALL KINDS OF 22 WORK ALL OVER THE SHIP, INCLUDING INSTALLING AND 23 REMOVING THE SAME TYPE OF INSULATION THAT I'VE BEEN 24 TALKING ABOUT. MR. WOODARD WAS LIVING IN THESE 25 CONDITIONS. 26 YOU'LL HEAR EVIDENCE ABOUT WHAT THE LIVING 27 CONDITIONS ABOARD THE SHIPS ARE. IT'S VERY TIGHT. 28 THERE'S NOT A LOT OF ROOM TO MOVE. THERE'S NOT A LOT OF	61 1 THIS ISSUE INTO QUESTION. 2 MR. PAUL MENTIONED DR. SELIKOFF. DR. 3 SELIKOFF -- YOU'LL HEAR ABOUT HIM EVEN WITH THE FIRST 4 FEW WITNESSES. HE WAS THE LEADING EXPERT ON THE FIELD 5 OF ASBESTOS, AT LEAST ACCORDING TO MOST PEOPLE IN THE 6 '60S AND EVEN INTO THE '70S. 7 HE WAS RESEARCHING ASBESTOS HAZARDS FOR 8 INSULATORS AND OTHERS WHO WORKED WITH INSULATION AND 9 AROUND INSULATION. HE WAS GOING INTO SHIPYARDS. HE WAS 10 TALKING TO WORKERS. HE WAS TRYING TO FIGURE OUT WHY 11 PEOPLE WERE GETTING SICK. AND HE FIGURED IT OUT: IT 12 WAS THE INSULATION. 13 DR. SELIKOFF WROTE A NUMBER OF ARTICLES THAT 14 YOU'LL HEAR ABOUT, AND BOOKS. HE PRESENTED PAPERS TO 15 ALL KINDS OF ORGANIZATIONS. HE TALKED TO WORKERS. HE 16 TALKED TO THE NAVY. 17 DR. SELIKOFF CONCLUDED THAT ASBESTOS 18 PRODUCTS DID NOT HAVE TO BE BANNED, THAT THEY COULD BE 19 USED SAFELY. AND HE CONCLUDED THAT GASKETS AND PACKING 20 DID NOT CAUSE OR CONTRIBUTE TO ANY HEALTH HAZARDS IN 21 SHIPYARD APPLICATIONS. HE CONCLUDED THAT NO SUBSTITUTE 22 MATERIALS NEEDED TO BE USED. 23 WE WILL PRESENT TO YOU EVIDENCE OF THE 24 NAVY'S CONTINUING RESEARCH, THAT THEY CONCLUDED GASKETS 25 AND PACKING WEREN'T HAZARDOUS AND CAUSED NO HARM. 26 AND THEN WE'LL PRESENT TO YOU EVIDENCE THAT 27 EVEN AFTER THAT, INTO THE '90S WAS THE FIRST TIME 28 ANYBODY POSITED OR GAVE THE HYPOTHESIS THAT ASBESTOS
60 1 AIR MOVEMENT WHEN THE SHIP IS IN DRY DOCK AND NOT 2 SAILING ON THE OCEAN. 3 SO WE'RE GOING TO PRESENT TO YOU EVIDENCE 4 THAT NONE OF THE -- IF THERE WAS ASBESTOS IN ANY OF THE 5 CRANE VALVES THAT MR. WOODARD ENCOUNTERED, IT WASN'T 6 ASBESTOS THAT CRANE CO. PUT THERE. 7 AND IF HE WAS EXPOSED TO ASBESTOS THAT WAS 8 IN ANY CRANE VALVES WHEN HE WAS IN THE SERVICE, IT WAS 9 THE CHRYSOTILE, LOW-DOSE, LOW-POTENCY TYPE OF ASBESTOS 10 THAT DID NOT CAUSE OR CONTRIBUTE TO HIS DISEASE. 11 WE'RE ALSO GOING TO PRESENT TO YOU -- IN 12 ORDER TO PROVE THAT THESE PRODUCTS, THE GASKETS AND 13 PACKING, DID NOT CAUSE OR CONTRIBUTE TO HIS DISEASE, 14 WE'RE GOING TO TALK TO YOU ABOUT THE SCIENCE. 15 WE'RE GOING TO PRESENT TO YOU EVIDENCE NOT 16 ONLY OF WHAT THE NAVY KNEW BEFORE THAT TIME ABOUT 17 INSULATION BEING DANGEROUS AND THE AMOSITE ASBESTOS 18 BEING HARMFUL, WE'RE GOING TO PRESENT YOU TO EVIDENCE 19 ABOUT, IN FACT, SOME RESEARCH THAT OCCURRED IN LATER 20 YEARS THAT -- WHERE OTHER RESEARCHERS WENT THROUGH, 21 TRYING TO DETERMINE IF SOME OF THESE PRODUCTS WERE 22 HARMFUL. 23 THE EVIDENCE WILL SHOW THAT THE FIRST 24 ARTICLES THAT WERE CALLING OUT ASBESTOS GASKETS AND 25 PACKING EVEN AS POTENTIALLY HARMFUL WEREN'T UNTIL THE 26 1990'S, OVER 45 YEARS AFTER THE SHIPS WERE BUILT AND -- 27 SORRY -- 25 YEARS AFTER MR. WOODARD LEFT THE SERVICE. 28 THAT'S WHEN THE FIRST ARTICLES CAME OUT EVEN CALLING	62 1 GASKETS AND PACKING WERE HARMFUL. 2 PLAINTIFFS SAID -- MR. PAUL SAID THAT CRANE 3 CO. NEVER TESTED ITS PRODUCTS. WELL, YOU NEED TO HEAR 4 THE WHOLE STORY, AND WE'D ASK YOU TO WAIT TILL THE END 5 OF THE CASE. 6 CRANE CO. DID TEST ITS VALVES FOR STRENGTH, 7 PRESSURE, TEMPERATURE, DURABILITY. THEY TESTED THEM TO 8 MAKE SURE THAT IT WOULD DO WHAT THE NAVY WANTED IT TO 9 DO, WHICH WAS TO CONTAIN AND CONTROL THE MATERIALS THE 10 NAVY WAS GOING TO RUN THROUGH THOSE PIPES AND VALVES -- 11 STEAM, AIR, WATER, ET CETERA. 12 THEY ALSO TESTED THE GASKETS AND PACKING FOR 13 THEIR ABILITY TO SEAL IN THOSE MATERIALS AND KEEP THEM 14 FROM LEAKING. THAT'S WHAT THE NAVY WAS CONCERNED ABOUT. 15 THE NAVY HAD ITS OWN EXTENSIVE PROGRAM OF 16 TESTING AND INSPECTIONS. YOU'LL HEAR ABOUT THAT, AS 17 WELL. 18 THE NAVY INSPECTED PRODUCTS AT THE FACTORIES 19 WHERE THEY WERE MADE. THE NAVY TOOK SAMPLES AND TESTED 20 THEM AT THEIR OWN FACILITIES. THE NAVY INSPECTED THEM 21 ON DELIVERY. THE NAVY INSPECTED THEM WHILE THEY WERE 22 INSTALLING THEM. AND THEN THEY INSPECTED THE ENTIRE 23 SHIP AND ACTUALLY TOOK IT OUT FOR A TEST RUN BEFORE 24 HANDING IT OVER TO THE SAILORS WHO WOULD OPERATE IT FOR 25 THE NAVY. 26 WHAT THIS IS ALL GOING TO BOIL DOWN TO IS 27 THAT NAVY KNEW THAT HIGH EXPOSURES TO THE THERMAL 28 INSULATION PRODUCTS WERE DANGEROUS; THEY NEEDED TO

1 PROTECT THEIR WORKERS AND THEIR SAILORS; THAT THEY DID
2 NOT GIVE THEM, THOSE SAILORS AND WORKERS, THE PROTECTION
3 THEY NEEDED OR THE TRAINING THEY NEEDED TO PROTECT
4 THEMSELVES.

5 THE NAVY COULD HAVE USED ROUTINE STEPS TO
6 CONTROL ASBESTOS DUST FROM THIS INSULATION -- WET-DOWN
7 PROCEDURES; ISOLATION, THE WORD YOU HEARD -- BLOCKING IT
8 OFF SO OTHER PEOPLE DON'T GET EXPOSED; OR PROVIDING THE
9 RIGHT KIND OF RESPIRATOR AND MASK SO THAT EVEN IF
10 THERE'S ASBESTOS DUST IN THE AIR, IT DOESN'T GO INTO THE
11 PERSON'S LUNGS.

12 THEY DIDN'T DO THAT. THE NAVY DIDN'T HAVE
13 ANY SPECIAL PROCEDURES. THE NAVY DIDN'T TAKE THESE
14 STEPS TO PROTECT ITS WORKERS OR TO TRAIN THEM SO THAT
15 THEY COULD PROTECT THEMSELVES.

16 THE EVIDENCE IS GOING TO SHOW YOU THAT CRANE
17 CO. DIDN'T MANUFACTURE, INSTALL, SUPPLY, OR REQUIRE ANY
18 OF THE ASBESTOS THAT MR. WOODARD WAS EXPOSED TO. CRANE
19 CO. DIDN'T -- SPECIFICALLY, CRANE CO. SHIPPED THE VALVE
20 WITH WHAT WAS INSIDE IT AND NECESSARY TO SEAL THE
21 INTERNAL PARTS OF THE VALVE. AT MOST, THAT WOULD BE
22 PACKING ON THE STEM AND A GASKET WHERE TWO PARTS OF THE
23 VALVES CAME TOGETHER.

24 AND WE WILL AT SOME POINT GET YOU A PICTURE
25 OF THIS EQUIPMENT, WHEN WE HAVE A WITNESS UP THERE TO
26 SUPPORT THAT, SO THAT YOU CAN GET A BETTER PICTURE OF
27 THESE THINGS.

28 THE GASKETS WHERE THE VALVES ATTACHED TO

1 OTHER EQUIPMENT WERE NOT SUPPLIED, SOLD, OR MADE BY
2 CRANE CO. THE INSULATION, THE HALF-ROUND PIPE
3 INSULATION ON THE PIPES NEXT TO THE VALVE, WERE NOT
4 MADE, SOLD, OR SUPPLIED BY CRANE CO.

5 AND THE ASBESTOS PADS YOU'LL HEAR ABOUT,
6 WHICH WERE MORE OF A PRODUCT THAT COULD BE FITTED AROUND
7 AN ODD-SHAPED THINGS LIKE A VALVE OR A PUMP, WERE NOT
8 MADE, SOLD, OR SUPPLIED BY CRANE CO.

9 THOSE PADS ALSO HAD AMOSITE INSULATION IN
10 THEM. MR. WOODARD TESTIFIED AT GREAT LENGTH IN HIS
11 DEPOSITION ABOUT REMOVING THEM, ABOUT THEM FALLING
12 APART, THROWING THEM AWAY, SOMETIMES REUSING THEM.
13 THOSE CREATED LARGE EXPOSURES TO AMOSITE ASBESTOS, AS
14 WELL.

15 THE EVIDENCE IS ALSO GOING TO SHOW YOU THAT
16 WHEN CRANE CO. MADE ITS VALVES, IT WAS DOING SO IN
17 ACCORDANCE WITH THE NAVY'S DETAILED SPECIFICATIONS, AND
18 THAT CRANE CO. DID NOT PARTICIPATE IN THE NAVY'S
19 DECISION TO USE THESE ASBESTOS PRODUCTS.

20 CRANE CO. MADE METAL VALVES. THE NAVY TOLD
21 THEM WHAT THEY HAD TO PUT IN IT, HOW IT HAD TO WORK, AND
22 THE NAVY BOUGHT ALL THE OTHER STUFF THAT WENT WITH IT.
23 EVERYTHING OUTSIDE THE VALVE CAME FROM SOMEONE ELSE AND
24 WAS THE NAVY'S DECISION ON WHAT TO BUY AND WHAT TO USE.

25 THE EVIDENCE IS GOING TO SHOW YOU THAT CRANE
26 CO. COULDN'T HAVE CHANGED THE NAVY'S DESIGNS, COULDN'T
27 HAVE CHANGED THE NAVY'S PROCUREMENT POLICY, COULDN'T
28 HAVE CHANGED WHAT THE NAVY ORDERED MR. WOODARD AND HIS

1 SHIPMATES TO DO.

2 FRANKLY, IN THE NAVY IT'S A LOT DIFFERENT
3 THAN AT A REGULAR JOB. IF SOMEBODY FEELS ENDANGERED AT
4 WORK, THEY CAN WALK OUT. IN THE NAVY, THERE ARE ORDERS.
5 THERE'S A CHAIN OF COMMAND. YOU CAN'T WALK OFF THE JOB.

6 AND WE'RE GOING TO PRESENT TO YOU EVIDENCE
7 THAT THE NAVY HAD AN OBLIGATION TO PROTECT ITS WORKERS
8 AND FAILED TO DO SO.

9 IN SHORT, CRANE CO. COULDN'T HAVE CHANGED
10 THE NATURE OR EXTENT OF MR. WOODARD'S ASBESTOS EXPOSURE
11 BECAUSE THE NAVY WAS IN CONTROL, NOT CRANE CO. THE NAVY
12 KNEW ALL IT NEEDED TO KNOW TO PROTECT HIM, AND IT
13 DIDN'T. THE NAVY KNEW ALL IT NEEDED TO KNOW TO TRAIN
14 MR. WOODARD TO PROTECT HIMSELF, AND IT DIDN'T. AND THE
15 NAVY WAS IN COMPLETE CONTROL, NOT CRANE CO.

16 BRIEFLY, I'M JUST GOING TO TELL YOU A LITTLE
17 BIT ABOUT A COUPLE OF PARTICULAR WITNESSES THAT WE'LL
18 SEE AT TRIAL AND TRY AND WRAP THIS UP.

19 DR. SAMUEL FORMAN, AS I SAID, IS A MEDICAL
20 DOCTOR. HE WAS IN THE NAVY. IT WAS HIS JOB, UNDER
21 ORDERS FROM THE NAVY, TO RESEARCH WHAT THE NAVY KNEW AND
22 WHEN IT KNEW IT. HE'S GOING TO COME HERE AND TALK TO
23 YOU ABOUT THAT.

24 HE'S BOARD CERTIFIED IN OCCUPATIONAL
25 MEDICINE. HE SPECIFICALLY RESEARCHED NAVY KNOWLEDGE OF
26 POTENTIAL ASBESTOS HAZARDS AND WILL COME AND TELL YOU
27 THAT BY THE 1940S, THE NAVY HAD EXTENSIVE KNOWLEDGE
28 ABOUT ASBESTOS INSULATION HAZARDS AND HAD AN EXTENSIVE

1 PROGRAM FOR INSPECTION AND TESTING.

2 ADMIRAL DAVID SARGENT WILL COME AND TALK TO
3 YOU, AS WELL. HE'S AN ADMIRAL RETIRED FROM THE NAVY.
4 HE'S A PROFESSIONAL ENGINEER. HE'S GOING TO TALK TO YOU
5 ABOUT THE MILITARY SPECIFICATIONS AND PROCUREMENT
6 PROCESS, FOR THE MOST PART.

7 HE'LL TELL YOU ABOUT HOW THE NAVY SPECIFIED,
8 PURCHASED, AND INSTALLED ALL THESE ASBESTOS PARTS AND
9 PRODUCTS THAT WERE ON THESE SHIPS AND THOSE THAT WERE
10 USED WITH AND AROUND CRANE CO. VALVES.

11 HE'S GOING TO TALK TO YOU ABOUT HOW
12 SOPHISTICATED THE NAVY WAS, AS WELL, CONCERNING THE USE
13 OF ASBESTOS AND ASBESTOS THERMAL INSULATION MATERIALS ON
14 ITS SHIPS.

15 WE'LL BRING IN A CERTIFIED INDUSTRIAL
16 HYGIENIST, AND WE'LL TRY NOT TO HAVE EACH DEFENDANT
17 BRING IN ONE OR TWO, SO WE'LL COORDINATE THAT A LITTLE
18 BIT. BUT A CERTIFIED INDUSTRIAL HYGIENIST, LIKE MS.
19 RATERMAN, WILL TALK TO YOU ABOUT EXPOSURE LEVELS; WILL
20 TALK TO YOU ABOUT THE FRIABILITY OF THE INSULATION
21 PRODUCTS AND HOW MUCH MORE DUST IS GENERATED FROM WORK
22 OR EVEN JUST BUMPING AN INSULATION PRODUCT; WILL TALK TO
23 YOU ABOUT THE FIBER TYPES.

24 AND WE WILL DEMONSTRATE THROUGH THAT
25 EVIDENCE THAT MR. WOODARD WAS EXPOSED TO MINUSCULE
26 LEVELS OF ASBESTOS, IF ANY, FROM CRANE CO. PRODUCTS;
27 THAT THOSE LEVELS WERE NOT HAZARDOUS TO HIS HEALTH; AND
28 THAT THE INSULATION CREATED HIGH EXPOSURES THAT DID

1 CAUSE HIS MESOTHELIOMA.
 2 WE'LL ASK YOU TO KEEP AN OPEN MIND TILL THE
 3 END OF THE CASE. WE'LL ASK YOU TO REMEMBER THAT THE
 4 PLAINTIFF BEARS THE BURDEN OF PROOF AND THAT THERE ARE
 5 TWO SIDES TO EVERY STORY. AND WE WANT YOU TO HEAR CRANE
 6 CO.'S SIDE AND THE OTHER DEFENDANTS' SIDE BEFORE YOU
 7 MAKE UP YOUR MIND.
 8 BECAUSE THE EVIDENCE IS GOING TO SHOW THAT
 9 CRANE CO. DID ACT REASONABLY, DID MAKE SAFE PRODUCTS
 10 THAT HELPED THE NAVY AND HELPED THE WAR EFFORT; THAT
 11 CRANE CO.'S PRODUCTS DID NOT CAUSE MR. WOODARD'S
 12 DISEASE; AND THAT IT WAS THE INSULATION PRODUCTS MADE BY
 13 OTHERS, SOLD BY OTHERS, INSTALLED BY OTHERS, PURCHASED
 14 BY THE NAVY, AND DICTATED BY THE NAVY THAT CAUSED HIS
 15 DISEASE.
 16 AND AT THE END, WE'RE GOING TO ASK YOU TO
 17 RETURN A VERDICT FOR CRANE CO. AND AS I SAID, WE'RE
 18 GOING TO ASK YOU TO EVALUATE ALL THE EVIDENCE AT THE END
 19 AND RETURN A VERDICT FOR CRANE CO.
 20 AND I THANK YOU VERY MUCH.
 21 THE COURT: THANK YOU, MR. DAVIS. THANK YOU VERY
 22 MUCH.
 23 WHO IS NEXT? OKAY, MR. POPOVICH.
 24 MR. POPOVICH: THANK YOU.
 25 LADIES AND GENTLEMEN, I'LL HAVE YOU LOOK IN
 26 THIS DIRECTION, IF FOR NO OTHER REASON THAN I DON'T WANT
 27 YOU TO GET A CRICK IN YOUR NECK.
 28 MR. WOODARD HOLDS A SINCERE BELIEF THAT WHEN

1 HE WAS IN THE NAVY BETWEEN 1961 AND SEPTEMBER 1965, HE
 2 WORKED WITH BRAND-NEW GASKETS THAT CAME IN BOXES WITH
 3 "SEPCO" ON THE SIDES. MR. WOODARD, WITH ALL DUE
 4 RESPECT, IS SINCERELY WRONG.
 5 THE STORY FOR SEPCO BEGAN IN 1924, UNDER A
 6 DIFFERENT NAME.
 7 (WRITES ON BULLETIN BOARD.)
 8 1924, IT WAS BORN AS SOUTHEASTERN -- I WAS
 9 NEVER A SCHOOLTEACHER, SO FORGIVE THE WRITING --
 10 PRODUCTS. IT BECAME THE GIBSON FAMILY BUSINESS IN THE
 11 1930S, PURCHASED BY VERNON GIBSON, SR.
 12 NOW, IT STAYED IN THE GIBSON FAMILY UNTIL
 13 ABOUT 1984, WHEN IT WAS SOLD BY VERNON GIBSON, JR. SO
 14 WE HAVE VERNON SR., VERNON JR.
 15 FROM THE 1930S ONWARD, THE COMPANY INITIALLY
 16 WAS DOING BUSINESS IN BIRMINGHAM, ALABAMA; MOVED IN THE
 17 EARLY '60S TO PELHAM, ALABAMA. AND IT WAS ALL DURING
 18 THAT TIME KNOWN AS SOUTHEASTERN PRODUCTS CORPORATION.
 19 NOW, AS IT WAS A FAMILY BUSINESS VERNON
 20 GIBSON, SR. WORKED AT THE PLANT, MRS. GIBSON -- WIFE TO
 21 SR., MOTHER TO JR. -- WORKED AT THE PLANT. AND
 22 ULTIMATELY, WHEN VERNON JR. BECAME A TEENAGER, HE WOULD
 23 WORK SUMMERS -- THIS WOULD BE THE 1950S TIME FRAME -- IN
 24 THE PLANT, MAKING THE PRODUCTS THAT SEPCO MADE.
 25 THE PRODUCTS THAT ARE AT ISSUE IN THIS CASE
 26 ARE GASKETS AND PACKING MATERIALS, BUT THERE WILL BE
 27 TESTIMONY ABOUT OTHERS THAT DID NOT CONTAIN ASBESTOS.
 28 GASKETS AND PACKING DID NOT ALL CONTAIN ASBESTOS. SOME

1 DID, SOME DID NOT.
 2 NOW, IN THE FAMILY BUSINESS, THE SIZE OF THE
 3 COMPANY STAYED RELATIVELY STABLE: THROUGH THE '50S, 10
 4 TO 12 EMPLOYEES; THROUGH THE EARLY '60S, A DOZEN, MAYBE
 5 UP TO, BY THE MID '50S, 15 EMPLOYEES. AND THE COMPANY
 6 MARKED TO ALABAMA. ITS PRIMARY CUSTOMER IN THAT TIME
 7 FRAME WAS U.S. STEEL, IN BIRMINGHAM, ALABAMA, RIGHT AT
 8 HOME.
 9 EVENTUALLY, IN THE EARLY PART AND UP TO
 10 1965, THERE WAS SOME BUSINESS THAT SOUTHEASTERN PRODUCTS
 11 WAS DOING IN OTHER STATES, PRIMARILY IN THE SOUTH AND
 12 SOUTHEAST -- MISSISSIPPI, FLORIDA.
 13 THIS WAS THE SIZE OF THE COMPANY IN THE TIME
 14 FRAME WHEN MR. WOODARD WAS IN THE NAVY, CHANGING
 15 GASKETS, CHANGING PACKING. IT'S BEEN STATED HERE TODAY
 16 THE NAVY IS ONE OF THE BIGGEST CUSTOMERS YOU CAN HAVE.
 17 IT WAS TOO BIG FOR SEPCO -- I'M SORRY, SOUTHEASTERN
 18 PRODUCTS CORPORATION IN THAT TIME FRAME. IN THE EARLY
 19 1960S, SOUTHEASTERN PRODUCTS DID NOT SELL TO THE NAVY.
 20 QUALIFIED PRODUCTS LISTS EXISTED. YOU'VE
 21 HEARD ABOUT THOSE. IF YOU WERE ON A QUALIFIED PRODUCTS
 22 LIST, THAT MEANS YOUR PRODUCTS WERE APPROVED FOR SALE TO
 23 THE NAVY. THE QUALIFIED PRODUCTS LIST IN THE 1950S AND
 24 1960S DID NOT INCLUDE SOUTHEASTERN PRODUCTS CORPORATION.
 25 THAT DID NOT ABSOLUTELY MEAN SOUTHEASTERN
 26 PRODUCTS COULDN'T SELL TO THE NAVY, BUT YOU HAD TO HAVE
 27 AN EXCEPTION OR A WAIVER GRANTED TO SELL PRODUCTS
 28 WITHOUT BEING ON THE QPL'S, AS THEY'RE KNOWN. THERE IS

1 NO DOCUMENTATION, NO PROOF, AND THE EVIDENCE WILL BE
 2 THAT SOUTHEASTERN PRODUCTS CORPORATION NEVER GOT ONE OF
 3 THOSE WAIVERS, NEVER SOLD PRODUCTS TO THE NAVY.
 4 NOW, MR. WOODARD'S TIME IN THE NAVY, 1961 --
 5 ACTUALLY, SEPTEMBER OF '61 THROUGH SEPTEMBER OF '65 --
 6 SEPCO, AS A CORPORATION, DID NOT EXIST UNTIL NOVEMBER
 7 29, '68; DID NOT EXIST. THE NAME "SEPCO" WAS NOT PUT ON
 8 ANY PACKAGING OR BOXES IN WHICH SOUTHEASTERN PRODUCTS
 9 CORPORATION PRODUCTS WERE SOLD. MR. WOODARD DID NOT SEE
 10 "SEPCO" ON A BOX WHILE HE WAS IN THE NAVY.
 11 THE REASON FOR THE NAME CHANGE, SOUTHEASTERN
 12 PRODUCTS TO SEPCO, WAS BECAUSE VERNON JR. WAS NOW
 13 RUNNING THE COMPANY. HE WANTED TO EXPAND THE SIZE OF
 14 THE COMPANY, AND HE FELT THAT THE NAME SOUTHEASTERN
 15 PRODUCTS CORPORATION WOULD HINDER HIM SELLING PRODUCTS
 16 OF THE COMPANY TO CALIFORNIA, TO NEW YORK, OR ANYWHERE
 17 ELSE OTHER THAN THE SOUTHEAST.
 18 THAT WAS THE REASON FOR THE NAME CHANGE.
 19 AND THERE WERE NO USE OF "SEPCO" ON PACKAGING UNTIL THAT
 20 CHANGE HAPPENED.
 21 NOW, THE GIBSON FAMILY WORKED AT THE PLANT.
 22 VERNON JR., AFTER WORKING IN THE '50S AS A TEENAGER IN
 23 THE PLANT DURING HIS SUMMERS, WENT OFF TO AUBURN, TO
 24 COLLEGE. HE THEN SPENT SOME TIME IN THE UNITED STATES
 25 NAVY.
 26 WHEN HE CAME BACK, HE WAS AN ENGINEER, AND
 27 BEGAN WORKING IN 1960 -- SO THAT HE WAS AT THE PLANT THE
 28 ENTIRE TIME MR. WOODARD WAS IN THE NAVY, THE SAME TIME

1 PERIODS THERE -- STARTING IN 1960, AND STARTING AS AN
 2 ENGINEER; WORKING HIS WAY UP INTO THE MID AND LATE '60S
 3 INTO ACTUAL RUNNING THE COMPANY IN PLACE OF HIS FATHER.
 4 WHEN THE GIBSON FAMILY WORKED AT THE PLANT,
 5 THEY WERE NOT USING BREATHING PRECAUTIONS THERE, IN THE
 6 AREAS WHERE GASKET MATERIALS CAME INTO THE PLANT ALREADY
 7 MADE IN SHEETS AND WHERE THEY FABRICATED PRECUT GASKETS.
 8 THEY DID NOT WEAR ANY TYPE OF PROTECTION THERE. THEY
 9 DID NOT KNOW THAT THERE WAS A RISK IN WORKING AROUND
 10 ASBESTOS IN THE EARLY 1960S.
 11 AND TAKING YOU BACK TO THE 1960S, AGAIN,
 12 DIFFERENT TIME. YOU COULD NOT GO GOOGLE "ASBESTOS
 13 INJURIES OR ILLNESSES" AND COME UP WITH NAMES OF
 14 DISEASES AND THINGS LIKE THAT.
 15 WHEN MR. SELIKOFF, IN 1960, WAS GIVING HIS
 16 SEMINARS -- DR.; EXCUSE ME -- IN 1960, GIVING SEMINARS
 17 IN NEW YORK, NONE OF THE GIBSONS WERE THERE, LISTENING
 18 TO THESE TALKS. THEY DID NOT KNOW.
 19 GASKETS AND PACKING ARE CALLED "FLUID
 20 SEALING PRODUCTS." THE DESIGN IS -- WELL, THE IDEA IS
 21 QUITE SIMPLE: ANYTHING IN A PIPELINE IS DESIGNED TO
 22 STAY THERE. AND SO THESE ITEMS ARE PRODUCED IN ORDER TO
 23 KEEP THEM IN THE PIPELINE AND NOT LEAKING THROUGH VALVES
 24 OR PUMPS OR ANYTHING ELSE.
 25 NOW, GASKETS ARE THIN MATERIAL DESIGNED TO
 26 GO BETWEEN PIECES OF METAL, WITH A HOLE IN IT SO THAT
 27 THE FLUID OR GAS CAN FLOW THROUGH, BUT SUCH THAT IT
 28 CAN'T LEAK OUT WHERE THE METAL COMES TOGETHER.

1 CLOCK.
 2 THE COURT: WE ARE NOW GOING TO TAKE OUR LUNCH
 3 BREAK. WE WILL RESUME PROMPTLY AT 1:30, SO PLEASE BE
 4 BACK AN TIME.
 5 THANK YOU VERY MUCH.
 6 (AT 12:02 P.M., A LUNCH RECESS WAS TAKEN
 7 UNTIL 1:30 P.M. OF THE SAME DAY.)
 8
 9
 10
 11
 12
 13
 14
 15
 16
 17
 18
 19
 20
 21
 22
 23
 24
 25
 26
 27
 28

1 AND GASKETS ARE WHAT WE CALL ENCAPSULATED --
 2 CONTAINED, ENCAPSULATED MATERIALS. NOW, I HATE TO DO
 3 THIS RIGHT BEFORE LUNCH BECAUSE YOUR THOUGHTS WILL GO
 4 WITH MY ANALOGY RATHER THAN THE POINT; BUT THINK OF A
 5 CHOCOLATE CHIP COOKIE.
 6 THE INGREDIENTS IN A CHOCOLATE CHIP COOKIE,
 7 IN ESSENCE, ARE ENCAPSULATED. YOU MAKE THEM WITH MAYBE
 8 SOME FLOUR, MAYBE SOME BAKING POWDER, WHATEVER
 9 INGREDIENTS YOU HAPPEN TO USE TO MAKE YOUR KIND OF
 10 COOKIES. THEY START OFF AS POWDERY, SOMETHING THAT YOU
 11 COULD BLOW OFF YOUR HAND, BUT THEY DON'T STAY THAT WAY
 12 WHEN YOU MIX THEM WITH OTHER PRODUCTS.
 13 AND ASBESTOS-CONTAINING GASKETS WERE MIXED
 14 WITH THINGS LIKE GRAPHITE, THINGS LIKE GREASE, AND THEN
 15 COMPRESSED. THE RESULT IS, JUST LIKE AFTER YOU'VE MIXED
 16 ALL THE INGREDIENTS OF A CHOCOLATE CHIP COOKIE AND BAKED
 17 IT, YOU CAN'T GET THE FLOUR OUT ANYMORE. YOU CAN'T GET
 18 THE BAKING POWDER, BAKING SODA, WHATEVER ELSE YOU WOULD
 19 USE, OUT OF IT ANYMORE. IT'S ENCAPSULATED.
 20 THEY DO NOT GIVE OFF THOSE FIBERS READILY,
 21 EASILY. THEY STAY IN THE PRODUCT ITSELF. THAT'S THE
 22 KIND OF PRODUCTS THE GIBSONS WERE SELLING: ENCAPSULATED
 23 PRODUCTS.
 24 THE COURT: ARE YOU MOVING ON TO ANOTHER POINT?
 25 MR. POPOVICH: YES.
 26 THE COURT: OKAY. BECAUSE IT'S PROBABLY A GOOD
 27 TIME TO STOP.
 28 MR. POPOVICH: THAT'S WHY I WAS GLANCING AT THE