

0001

1

CAUSE NO. A-167,693

2

JAMES COWEY AND RUTH) IN THE DISTRICT COURT

3

COWEY)

4

PLAINTIFFS,)

5

VS.) JEFFERSON COUNTY, TEXAS

6

RADIATOR SPECIALTY)

COMPANY, ET AL)

7

DEFENDANTS.) 58TH JUDICIAL DISTRICT

8

9

10 *****

11

ORAL DEPOSITION OF

12

ETHAN A. NATELSON, MD

13

DECEMBER 3, 2003

14

15

16 ORAL DEPOSITION OF ETHAN A. NATELSON, MD, produced

17 as a witness at the instance of the PLAINTIFFS, and duly

18 sworn, was taken in the above-styled and numbered cause

19 on the 3RD day of DECEMBER, 2003, from 2:09 p.m. to 3:32

20 p.m., before Mark A. Miller, CSR in and for the State of

21 Texas, reported by machine shorthand, at the offices of

22 the Stehlin Oncology Clinic, 1315 St. Joseph Parkway,

23 Suite 1800, Houston, Texas, pursuant to the Texas Rules

24 of Civil Procedure and the provisions stated on the

25 record or attached hereto.

0002

1

A P P E A R A N C E S

2

3

FOR THE PLAINTIFFS:

4

MR. LANCE LUBEL

MR. J. ROBERT BLACK

5

HEARD, ROBINS, CLOUD, LUBEL & GREENWOOD

910 TRAVIS STREET, SUITE 2020

6 HOUSTON, TEXAS 77002
7
8 FOR THE DEFENDANTS UNITED STATES STEEL CORPORATION,
ARISTECH CHEMICAL CORPORATION AND USX CORPORATION:
9 MR. STEPHEN C. DILLARD
FULBRIGHT & JAWORSKI
10 1301 MCKINNEY, SUITE 5100
HOUSTON, TEXAS 77010
11
12
FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:
13 MS. STACY K. YATES
COATS, ROSE, YALE, RYMAN & LEE
14 1001 FANNIN, SUITE 800
HOUSTON, TEXAS 77002-6707
15
16
17
18
19
20
21
22
23
24
25

0003

1	INDEX	
2		
		PAGE
3		
4	Appearances.....	2
5		
6	Stipulations.....	4
7		
8	ETHAN A. NATELSON, MD	
9		
10	Examination by MR. LUBEL.....	4
	Signature and Changes.....	60

11 Reporter's Certificate..... 62

12
13
14

EXHIBITS

15		
16	NO.DESCRPTION	PAGE
17	1 List of Testimony by Witness	6
18	2 Letter Report	8
19	3 Letter to Douglas Dougherty	12
20	4 Curriculum Vitae	15
21	5 IRIS, Substance: Benzene	31
22	6 Document entitled, Carcinogenic Effects of Benzene: An Update	31
23		
	7 Two studies	45

24
25
0004

1 THE REPORTER: Would you like to read and
2 sign your deposition?

3 THE WITNESS: Yes.

4 THE REPORTER: Would you like to take the
5 deposition by the rules?

6 MR. LUBEL: Yes.

7 ETHAN A. NATELSON, M.D.,
8 having been first duly sworn, testified as follows:

9 MR. LUBEL: All right.

10 EXAMINATION

11 BY MR. LUBEL:

12 Q. Please state your full name.

13 A. Ethan A. Natelson.

14 Q. Where do you reside?

15 A. At 8707 Wateka Drive in Houston.

16 Q. How are you employed?

17 A. I have multiple employers. I'm employed in
18 part by St. Joseph Hospital where I manage the -- one of
19 the residency programs, so-called transitional
20 internship program. I'm also employed by the Stehlin
21 Oncology Clinic where I do private practice.

22 Q. Dr. Natelson, my name is Lance Lubel. You and
23 I have never met before today, have we?

24 A. I don't think so.

25 Q. And are you familiar with my law firm?

0005

1 A. No.

2 Q. You don't recall ever being hired by my law
3 firm to be an expert?

4 A. No.

5 Q. Who hired you in this case?

6 A. Well, originally I was contacted by an
7 attorney by the name of Ricky Raven of Porter & Hedges.
8 And then subsequently by attorneys from Ellis
9 Carstarphen, and then subsequently by Mr. Dillard from
10 Fulbright & Jaworski.

11 Q. Did you ever have any conversations with a
12 lawyer by the name of Bob Scott?

13 A. I don't think so. I know who Bob Scott is,
14 but I don't think I spoke to him in reference to this
15 case.

16 Q. You've been hired by the defendants as an
17 expert in these chemical exposure cases for a number of
18 years; is that correct?

19 A. For several years, yes.

20 Q. How did you get introduced to this business of
21 being an expert witness?

22 A. Actually, I think the first case -- I have a
23 listing here of the cases that I've testified in since
24 1992. I think the first time I got involved in this was
25 in 1992, and it had to do with a patient that was my

0006

1 patient, Mr. Mimms, who had chronic granulocytic
2 leukemia. And he alleged that his illness was caused by
3 benzene exposure. And I testified as a treating
4 physician at a hearing in Galveston concerning that
5 issue, and I think that was the first testimony I gave
6 relative to, let's say, benzene.

7 MR. LUBEL: Let me go ahead and mark this
8 as Exhibit Number 1.

9 (Exhibit 1 marked.)

10 Q. (BY MR. LUBEL) This is a list of testimony
11 that you've given that you've provided to us at your
12 deposition, correct?

13 A. Yes.

14 Q. Who was the defense lawyer that contacted you?

15 A. You're talking about which case, the original
16 case that I mentioned?

17 Q. Correct.

18 A. I'm not certain. It may have been John
19 Shoebotham, but I can't be certain about that.

20 Q. As I'm sure you're aware, Mr. Shoebotham is a
21 partner with Ricky Raven?

22 A. Yes.

23 Q. How did you meet Mr. Dillard?

24 A. I really don't remember. It's been a long
25 time ago. And it had to do with a case, but I can't

0007

1 tell you which case it would be.

2 Q. It's my understanding, and correct me if I'm
3 wrong, you've testified on a number of occasions for
4 Mr. Dillard and his law firm of Fulbright & Jaworski; is
5 that correct?

6 A. That is correct.

7 Q. And you've had discussions with him prior to
8 today to discuss what your testimony would be in
9 Mr. Cowey's case, I take it, correct?

10 A. Yes.

11 Q. And Ms. Yates here, have you met her before?

12 A. Yes.

13 Q. How do you know her?

14 A. Again, it would be on another case, and I
15 can't -- I can't tell you which case that might have
16 been.

17 Q. Now, when you get hired as an expert witness,
18 irrespective of what side hires you, do they have to go
19 through the medical school or another organization to
20 hire you?

21 A. No.

22 Q. They call you directly?

23 A. Yes.

24 Q. And I take it that any proceeds that you earn
25 from your expert testimony work goes into your medical

0008

1 practice; is that correct?

2 A. Well, it would go into my pocket, however you
3 want to describe that.

4 Q. Are you part of a partnership of doctors?

5 A. Loosely. We have a group, the Stehlin

6 Oncology Clinic, that consists of a couple of surgeons
7 and a couple of internists, of which I'm one of the
8 internists, and a dermatologist, and we all see patients
9 up here in this setting.

10 Q. Great. It appears to me that you've brought
11 with you all of your file materials regarding Mr. Cowey
12 and issues that you deem important to the disease of
13 myelodysplasia. Would that be a fair statement?

14 A. Yes.

15 Q. (BY MR. LUBEL) I'd like to mark as Exhibit
16 Number 2, the October 13th, 2003 report that your
17 attorneys at Fulbright & Jaworski forwarded me, and ask
18 you if that is a true and correct copy of that report?

19 (Exhibit 2 marked.)

20 A. Yes, that would be a true and correct copy.

21 Q. (BY MR. LUBEL) Now, when you issued the
22 report that we've marked as Exhibit Number 2, did you
23 have some discussions with the lawyers that had hired
24 you about generally what your report should cover?

25 A. Not what my report should cover, no.

0009

1 Q. When they hired you did they ask you or tell
2 you the scope of your work, what issues they wanted you
3 to look at?

4 A. No.

5 Q. How did you know what to do?

6 A. Well, what happens typically on a case of this
7 nature, or any case, whether it's a malpractice case or
8 benzene case, is, I'm asked if I would review a case.
9 And I'm typically asked not to write anything down. And
10 I review the case and then give my opinion to the
11 attorney.

12 And at that point they may ask me to put pen
13 to paper and write a report or they may not. And
14 ultimately, if the case proceeds, I write a report. But
15 that report is simply based on my style of a report.
16 There is no outline sent to me or any specific memo on
17 how that report should be structured.

18 Q. And I didn't mean to imply anything sinister
19 about it. All I -- really what I was driving at is when
20 they called you and said, we want you to be an expert in
21 the case, did they lay out generally what they wanted
22 you to do; in other words, we want you to look at

23 Mr. Cowey's case and see if any chemicals caused his
24 disease? Did they give you any general scope of duties
25 in the case?

0010

1 A. Well, I don't recall the exact conversation,
2 but I would assume it went, we have a patient who
3 alleges he has an illness, a hematologic illness,
4 consequent to chemical exposure, would you look at this
5 case. Something to that effect.

6 Q. So what you do is, is you take and you write a
7 report based upon a complete and thorough review of the
8 case?

9 A. Based on the information that I'm provided.

10 Q. And you don't permit the attorneys,
11 irrespective of who hires you, to limit what you're able
12 to do in the case as far as if they're going to ask you
13 to give any opinions?

14 A. No.

15 Q. Is that true?

16 A. Yes, that's true.

17 Q. All right. So when you wrote Exhibit Number
18 2, your report in this case, you weren't limited by the
19 lawyers that had hired you in what you could say?

20 A. That's correct.

21 Q. Or what authorities you could rely on?

22 A. That's correct.

23 Q. Or what information you could review; is that
24 true?

25 A. That's correct.

0011

1 Q. So would it be fair to say, at least when you
2 issued the report in October of this year, you had laid
3 out in general summary fashion all of your opinions that
4 related to the case as of the date of the report?

5 A. Yes, based upon all of the information that I
6 had at the time, and I recall, for example, in this
7 particular case, I had requested, if available, to
8 review the slides. Generally, if it's a case that has
9 to do with leukemia or myelodysplasia, I like, not that
10 I misbelieve the reports, but I like to simply observe
11 the slides myself, but I didn't receive that, for
12 example. So, sometimes -- so, I go with what I have,
13 and then write a report.

14 Q. All right. So other than the slides,
15 regarding Mr. Cowey, is there anything else that you've
16 requested that you have not received?

17 A. No.

18 Q. Now, do you have any modifications or changes
19 to your report?

20 A. No.

21 Q. Are there any addendums that you've made?

22 A. No.

23 Q. Have your opinions changed in substance?

24 A. No.

25 Q. Have the bases for your opinions changed?

0012

1 A. No.

2 Q. Thank you.

3 MR. LUBEL: Now I'm going to mark as
4 Exhibit Number 3 a June 27th, 2003 report to a
5 Mr. Dougherty that was in your file materials. Do you
6 recognize that?

7 A. Yes.

8 (Exhibit 3 marked.)

9 Q. (BY MR. LUBEL) And essentially, the report
10 that you gave to Mr. Dougherty, in approximately June of
11 2003, has the same opinions as the one that you offered
12 to Mr. Dillard's firm in October of 2003?

13 A. Correct.

14 Q. There may be some minor differences, but the
15 substance is the same, would that be fair?

16 A. That would be fair.

17 Q. Do you agree with the diagnosis of Mr. Cowey
18 that's contained within his medical records?

19 A. Yes. He has myelodysplasia. Now, there are
20 many classifications of myelodysplasia, and in the,
21 what's called the French American British
22 classification, he would be classified as a refractory
23 anemia, or primary refractory anemia, whereas in the
24 World Health Organization classification, which is a
25 little bit newer, he's a refractory anemia with

0013

1 multilinear dysplasia. It's a simple subtle difference
2 of classification. It certainly wouldn't change what he
3 has or his prognosis.

4 Q. Since your report refers to his specific cell

5 type of myelodysplasia as primary refractory anemia, can
6 we just use that term today?

7 A. Yes.

8 Q. And to the extent that I use MDS, as a
9 substitute of trying to pronounce myelodysplastic
10 syndrome, is that okay with you?

11 A. Yes.

12 Q. Can benzene exposures cause primary refractory
13 anemia that Mr. Cowey has?

14 A. Yes.

15 Q. Is it a progressive disease?

16 A. Myelodysplasia is generally considered to be a
17 progressive disease, yes.

18 Q. Is it a permanent disease?

19 A. Well, the only way to reverse the disease
20 completely would be to do a bone marrow transplantation.
21 Aside from that, we have treatments that may modify its
22 course, but it is a progressive disease.

23 Q. And in Mr. Cowey's case, as I understand it
24 from looking at your report, he was not a candidate for
25 bone marrow transplant; is that true?

0014

1 A. Yes, I believe he would not be a candidate.

2 Q. What does it mean by that?

3 A. Well, in order to do a bone marrow transplant,
4 the first hurdle to pass is you have to have a suitable
5 donor. And the best donors, of course, are a brother or
6 a sister match. And someone of his age is not likely to
7 have a brother/sister match that could be a suitable
8 donor. And in order to find a donor, then one would
9 have to go into what's called the unmatched donor pool.

10 And there one might be able to find a donor
11 that matched with him, at least superficially, even
12 though it was an unrelated person, but those transplants
13 are more difficult to carry out and harder on the
14 patient and less successful. So that simply because of
15 his age and the potential -- lack of potential for a
16 donor, I think that makes things difficult.

17 Secondly, and more importantly, his age and
18 underlying heart disease, there are a lot of stresses to
19 go through during a bone marrow transplant. One must
20 receive a lot of immunosuppressant therapy, and be able
21 to sustain serious infections, and it would be rather

22 hazardous for someone of his age to do that.

23 Q. I take it, you're not critical of Mr. Cowey's
24 treating physician for not putting him through that?

25 A. No, I would not think he's a good candidate
0015

1 for that.

2 Q. Do you know Dr. Youman, his treating physician
3 in, Austin?

4 A. I don't think so. I may have met him many
5 years ago. I can't place him.

6 Q. Were you aware that he did some work out at
7 the Mayo Clinic?

8 A. No. But I don't know his background
9 completely.

10 Q. Were you familiar that he worked, at least
11 underneath -- for a short period of time, under a
12 Dr. Wintrobe, that is at least of some reputation in
13 your field of hematology?

14 A. Yes. I met Dr. Wintrobe many years ago, and I
15 know Dr. Wintrobe.

16 Q. And is he in, at least in some regards,
17 considered to be the father of hematology, that
18 subspecialty?

19 A. Yes.

20 Q. I take it, that's one of the books that you
21 have in your library as a resource?

22 A. Yes.

23 (Exhibit 4 marked.)

24 Q. (BY MR. LUBEL) Have you had a chance to look
25 at Dr. Irons' testimony?

0016

1 A. Yes.

2 Q. Have you looked at -- I guess you hadn't seen
3 Dr. Raabe's testimony or looked at his deposition?

4 A. No, I wouldn't have seen his deposition.
5 There is a report, I believe, in here by him, but I have
6 not seen his deposition.

7 Q. Generally, in your work as an expert in these
8 cases, do you look at the other experts' reports and
9 depositions?

10 A. Well, if they're available. Now, commonly I
11 will not have those at my disposal when I write my
12 report.

13 Q. I take it that Mr. Dillard has asked you to
14 attend trial in Beaumont, Texas, correct?

15 A. Yes. He's let me know this case may come to
16 trial, yes.

17 Q. What is your understanding of when that may
18 happen?

19 A. I don't remember the trial date.

20 Q. Do you anticipate between today and the trial
21 day, which I'll represent to you is from the last words
22 we got from the court, as sometime around the 10th of
23 December, do you anticipate looking at the other
24 experts' deposition testimony to the extent that
25 Fulbright & Jaworski sends you that information?

0017

1 A. Yes.

2 Q. Is that generally what you do before you give
3 testimony if it's available?

4 A. Yes.

5 Q. Exhibit 4, can you identify that for us?

6 A. That's a curriculum vitae on myself.

7 Q. That's your resume?

8 A. Yes.

9 Q. Is it current?

10 A. Yes.

11 Q. When was the last time it was updated?

12 A. Oh, I don't know. Probably several months
13 ago. Probably several months ago. But I would say it's
14 reasonably current.

15 Q. Dr. Natelson, what is your opinion as to
16 whether or not, based upon reasonable medical
17 probabilities, the MDS that Mr. Cowey has will
18 ultimately result in his death?

19 A. Well, I would say if he lives long enough,
20 he'll die of the MDS. In other words, when you have an
21 older person who has MDS, they frequently will die with
22 it, not necessarily of it. But it is a progressive
23 disease and frequently is lethal.

24 Q. If Dr. Youman has testified that Mr. Cowey's
25 life-span from his MDS will be between six months and

0018

1 roughly 18 months, do you have any qualms with that?

2 A. Well, it might be a little bit longer, but I
3 have not examined him, and I haven't seen his current

4 blood film or recent bone marrow, and so I wouldn't be
5 in a position to estimate that as accurately as the
6 other oncologist would be. But it's a progressive
7 illness, and it could be as short as six months, yes.

8 Q. And you would agree that Mr. Cowey's treating
9 physician, at least at this point in time, is in the
10 best position to determine what his life-span is based
11 upon his current condition?

12 A. Yes.

13 Q. In the October 2003 report, you identify 13
14 sources of information that you actually cite in your
15 reports; is that correct?

16 A. Let me see. Yes.

17 Q. How did you find those?

18 A. These are all articles that, in some cases,
19 I've had for a number of years. I may have found these
20 through other cases. I may have researched the
21 literature for some of them. In a couple of instances,
22 I subscribe to these journals, such as Blood, and so I
23 would have the article in the normal course of events.
24 Cancer also is one that we subscribe to in this office,
25 and the New England Journal of Medicine. So, in some
0019

1 cases, they're journals that would come across my desk;
2 in other cases, I've looked them up.

3 Q. Do you make any effort to assess whether or
4 not the studies themselves are unbiased?

5 A. I'm not sure what you mean by that.

6 Q. You understand that a lot of these studies you
7 use are what we call epidemiology studies?

8 A. Yes.

9 Q. And bias can impact or influence the validity
10 of those studies?

11 A. Yes.

12 Q. Is that true?

13 A. Yes.

14 Q. And sometimes bias is not just from the face
15 of the study sticking out at you, sticking out like a
16 sore thumb, something you can readily see, would you
17 agree with that?

18 A. That would be true.

19 Q. And I'm just curious as to whether or not you,
20 in your practice as a hematologist and an expert in

21 these chemical exposure cases, undertake any independent
22 effort to see if the studies that you're going to use,
23 you're going to rely upon, are unbiased, or otherwise
24 reliable?

25 A. The answer to that would be no. I accept what
0020

1 is written. Now, it's put in context of other articles
2 on the same subject, and so I may have several articles
3 that address the same issue and I choose to reference
4 one, but I have many others that show the same
5 phenomenon.

6 Q. So would it be fair to say that to the extent
7 that you have an opinion about an area related to
8 hematology, and you place a cite for it, generally
9 speaking, you're not going to give that opinion if there
10 has just been one article on it?

11 A. Well, I think you have to cite a specific
12 case. In other words, if you're talking about an
13 epidemiologic study, we generally would like to have
14 multiple studies that tend to show the same thing. If
15 you're talking about, say, a paper describing a new
16 treatment for an illness that's effective, that single
17 paper may be very useful.

18 Q. But I thought, and I may have misunderstood
19 you, the proposition that you were given was, generally
20 speaking, you may only site one article with respect to
21 a proposition; however, you generally have more than one
22 article on that opinion?

23 A. Yes, that would be true.

24 Q. And would it be a fair statement that at least
25 with regard to the 13 specific studies that you

0021

1 reference, that you've cited, in your October 2003
2 report, that you believe those to contain reliable
3 information?

4 A. Yes.

5 Q. You have no reason to think otherwise?

6 A. I have no reason to think otherwise.

7 Q. And many times do you recognize the names of
8 the authors because that's not the only study they've
9 authored?

10 A. Yes.

11 Q. And over time do sometimes these authors start

12 to develop either a good or a bad reputation in their
13 field?

14 A. Well, I'm not sure I can really answer that
15 question accurately. Some of the names become very
16 familiar.

17 Q. Let me ask you this, at least with respect to
18 the authors that are listed in the 13 references that
19 you have in your report, would it be fair to say that
20 they've either got good reputations in your mind, or
21 you're not aware of their reputation, but have no reason
22 to doubt what they have to say?

23 A. Yes, that would be a reasonable statement.

24 Q. In other words, you know who Dr. Wong is,
25 don't you?

0022

1 A. I've never met him, but I know who he is.

2 Q. And you understand that he's an expert that is
3 frequently hired by the defense?

4 A. Yes.

5 Q. And, in fact, he writes a lot of defense
6 slanted articles?

7 MR. DILLARD: Object to the form.

8 MS. YATES: Same objection.

9 A. He writes frequently on benzene exposures and
10 consequences thereof, and epidemiologic articles, yes.

11 Q. (BY MR. LUBEL) Were you aware that Dr. Wong's
12 work has been funded by industry?

13 A. I've been told that numerous times. I haven't
14 verified that. I have no reason to doubt it.

15 Q. Did Mr. Dillard or Mrs. Yates or any of the
16 lawyers at their respective law firms, did they tell you
17 that the studies that industry was running over in China
18 right now that Dr. Irons was working on, that they were
19 funded by industry, and that the main goal of those
20 studies was to effect regulations, number one, and
21 number two, lawsuits that they were in?

22 MR. DILLARD: Object to the form.

23 MS. YATES: Same objection.

24 A. I was told none of those things.

25 Q. (BY MR. LUBEL) Well, to the extent that the

0023

1 studies that Dr. Irons and others are working on in
2 China, that are being funded in whole or in part by

3 industry, to the extent that, in fact, the purpose of
4 those studies is to help defend them in lawsuits, and to
5 effect regulations on their behalf to their benefit, in
6 the United States, would you with agree those studies
7 would not be unbiased?

8 MR. DILLARD: Object to the form.

9 MS. YATES: Objection, form.

10 A. Not necessarily. In other words, if these
11 gentlemen are there to assess accuracy of data and to
12 simply ensure a flow of good data, and an accurate
13 interpretation of that data, that might not necessarily
14 be biased toward one side or the other.

15 Q. (BY MR. LUBEL) But how can it be an accurate
16 flow of reliable data if, in fact, their goal is to
17 benefit them in lawsuits and to help them in regulatory
18 matters?

19 MR. DILLARD: Object to the form.

20 A. Well, I don't know what their goal is. In
21 other words, you're telling me that that's their goal.
22 But it depends on who these gentlemen are and what their
23 credentials are and what they hope to find there. And
24 the fact that they're sponsored by a particular
25 organization doesn't mean that they're going to alter
0024

1 their data to suit that organization.

2 Q. But does it make a difference in your mind if
3 the purpose of the studies, irrespective of who sponsors
4 it, the purpose of it, is to help industry in their
5 lawsuits, would you agree that that would make a study
6 biased?

7 MR. DILLARD: Object to the form.

8 MS. YATES: Object to the form.

9 A. Not necessarily.

10 If I could give just a simple example, many of
11 our drug studies that we see today on new agents, in
12 fact, an agent that this patient, Mr. Cowey, received,
13 those studies are funded by industry. And they're
14 looking for data.

15 Q. (BY MR. LUBEL) Funded by industry to protect
16 them in lawsuits?

17 A. No, but to promote a product.

18 Q. Tell me about studies that you're aware of
19 that you believe are legitimate where the purpose of the

20 study is to protect industry from lawsuits.

21 MR. DILLARD: Object to the form.

22 A. I don't know of any such studies.

23 Q. (BY MR. LUBEL) Tell me of any studies that
24 you're aware of that are legitimate that you would rely
25 upon where the purpose of the study was to lower what's
0025

1 permissible for benzene exposures as to make regulations
2 less burdensome on industry?

3 MR. DILLARD: Object to the form.

4 A. Again, I don't know of any such studies
5 designed with that intent in mind.

6 Q. (BY MR. LUBEL) Have you ever relied on any
7 such studies?

8 A. Not that I'm aware of.

9 Q. If you would, if you would look at the
10 citation or the reference list that you have in your
11 October report and just tell me the authors that you're
12 familiar with.

13 A. Well --

14 Q. By name.

15 A. By name. The first reference is Dr. Carl Aul,
16 who has written many articles over the last several
17 years on myelodysplasia, and I've not met him, but I
18 recognize his name.

19 The next article, the first author is Heaney,
20 I don't think I know him. I may -- if we look at the
21 paper, there may be other authors whose name I
22 recognize, but his was a review article from the New
23 England Journal of Medicine on myelodysplasia.

24 The next one, I don't know that person either.
25 That was simply a compilation of leukemia statistics in
0026

1 the United States that was published in the Journal of
2 Cancer.

3 The next one, Maes, I don't know that doctor
4 personally.

5 The next, Williams, I don't know that person.
6 But the second author on that has published previously
7 on the Pliofilm cohort, Paustenbach, or something of
8 that nature.

9 Smith has published articles before on
10 therapy-induced leukemia, I recognize that name.

11 Finkelstein, I don't know.

12 Hayes is a person who has published several
13 articles on statistical analysis, particularly of
14 benzene-related studies in China.

15 Q. What type of reputation does -- is it a man or
16 a woman, Hayes?

17 A. I believe he's a man.

18 Q. What type of reputation does he have in that
19 field?

20 A. I don't know anything bad or good about his
21 reputation.

22 Van Leeuwen, this is a person I don't know.
23 He wrote a nice treatise on secondary leukemias and
24 myelodysplasia.

25 Dr. Wong, as I say, I've never met. I've
0027

1 certainly seen many of his papers that have to do with
2 epidemiologic studies, disease causation.

3 Dr. Pedersen-Bjergaard is well published in
4 the field of genetic defects consequent to chemotherapy
5 drugs and therapy-related leukemia and myelodysplasia.

6 The same would be true of the next author,
7 Mauritzson, has published a number of articles in this
8 field.

9 Smith is from the University of Chicago group,
10 I have listened to lectures by some in that group. I
11 don't place this particular doctor.

12 Q. The Hayes study that you referred to, that's a
13 study out of China, correct?

14 A. Yes.

15 Q. Have you seen his study in the year 2000?

16 MR. DILLARD: Object to form.

17 MS. YATES: Same objection.

18 A. You have to point at which study are we
19 talking about.

20 Q. (BY MR. LUBEL) Hayes and Yin and others
21 published a study, in the year 2000, kind of an update
22 of the Chinese work, and I was wondering if you have
23 seen that.

24 A. I don't recall that specific article. I may
25 have it in my files, but I don't recall it.

0028

1 Q. To the extent that one of the conclusions that

2 that group reached -- and I take it, that you understand
3 that the two groups that did the work that Hayes was
4 involved with in China were the National Cancer
5 Institute and the equivalent organization in China, were
6 you aware of that?

7 A. Yes.

8 Q. To the extent that one of the conclusions that
9 they reached in the updated 2000 study was that there
10 was a relative risk of three for benzene exposures less
11 than 10 parts per million leading to or linked to MDS,
12 do you have any qualms with that, as you sit here,
13 without seeing the study?

14 A. Well, I don't -- if you say that's what they
15 found, that's what they claim. I know that there is
16 great dispute about what the levels of exposure were
17 during the Chinese study.

18 Q. I understand. But were you aware that they
19 had -- in the 2000 study, they had actually gone back
20 and gotten better exposure data?

21 A. I don't know that they got better data, but
22 they -- there remains dispute about benzene exposures in
23 China.

24 Q. Is that something that you have studied with
25 any particularity or focus?

0029

1 A. Well, I've been in China for a couple of
2 weeks, and I can understand some of the problems there
3 from what I saw and the difficulty in obtaining good
4 data, but -- and I can understand why in some of the
5 articles -- before I went to China, I couldn't really
6 understand why some of the articles would say to get
7 follow-up on the patients, we asked their neighbors or
8 checked down at the local police station, and I thought
9 to myself, that's very bizarre, why would they say that.
10 And now having been to China for a couple of weeks, I
11 know why they would say that.

12 Q. Why is that?

13 A. Well, because their society is so utterly
14 different from ours, and their factories, their
15 universities are not on par with ours, by many orders of
16 magnitude. And I can understand why data would be
17 difficult to accurately estimate from recordkeeping.

18 Q. But without seeing the 2000 study, you don't

19 know whether you have any disagreements with it or not,
20 correct?

21 MR. DILLARD: Object to the form.

22 A. Well, I wouldn't be able to, of my knowledge,
23 know whether their estimates are correct or incorrect,
24 in other words.

25 Q. (BY MR. LUBEL) Well, I mean, generally
0030

1 speaking, without you having the 2000 study, and having
2 time to review it, and then you and I sit and visit and
3 have a conversation about it, at this point, since you
4 hadn't done that, you can't say whether you have any
5 problems with that study or not?

6 MR. DILLARD: Object to the form.

7 A. Well, I can't comment about the study in
8 detail because I haven't seen it. Or if I have seen it,
9 I don't recall what it said.

10 Q. (BY MR. LUBEL) Fair enough. What independent
11 efforts have you made to assess the conclusions in the
12 pre2000 Chinese studies?

13 A. Well, in the pre2000 studies, there have been
14 several, you might say, rebuttal reports from different
15 people. Dr. Wong has written one. There is another one
16 from a fellow named Budinsky. There is a third one,
17 also by Wong, more recently, about those studies. And,
18 of course, there is mention of those studies in some of
19 the articles from OSHA and regulatory bodies that I have
20 here are commenting about those studies.

21 Q. What do they say about that?

22 A. Well, they simply say that those studies --
23 I'm paraphrasing what they say -- that the relative
24 risks for benzene exposure and leukemia must go back to
25 the Pliofilm study because of discrepancies in these

0031

1 particular studies in China.

2 Q. Do you have that information here? Is it the
3 I R I S?

4 A. That's one report that says that, yes.

5 Q. Is there anything else that you've got?

6 A. Actually, you've got it right there. This
7 report.

8 Q. Sorry about that.

9 A. Yes. This report here, which is from the

10 National Center for Environmental Assessment.

11 (Exhibit 5 and 6 marked.)

12 Q. (BY MR. LUBEL) If you would, I'm just going
13 to go ahead and hand you, and we'll just go off the
14 record for a minute, Exhibit Number 5 and 6, which are
15 both of the documents you just referred to, and if you
16 can just open these reports to the sections that you're
17 talking about.

18 A. Thanks.

19 (A break was taken from 2:45 to 2:46.)

20 Q. (BY MR. LUBEL) If you could just reference
21 the exhibit number as you're talking about it as you do
22 it.

23 A. All right. The first one is, Carcinogenic
24 Effects of Benzene, an Update, and this is from the
25 National Center for Environmental Assessment, and it was
0032

1 written in 1998.

2 Q. Is that Exhibit 6?

3 A. Yes. This is by Bayliss, I believe, is the
4 lead author. And talking about the Chinese studies,
5 "the second potential problem was the development of
6 exposure estimates for the 74,282 workers, during the
7 earliest period, only three percent of the exposure
8 estimates were based on actual measurements."

9 And the sum and substance of what's in this
10 article is that the reference study for consequences of
11 benzene exposure in leukemia, as they put it, hence the
12 Pliofilm workers are the preferred population for
13 estimating the effects for exposure to benzene.

14 Q. What's the date of that article?

15 A. This is 1998.

16 Q. So that would have been before the 2000 Hayes
17 study, correct?

18 MR. DILLARD: Object to form.

19 MS. YATES: Form.

20 A. Yes. This is written in 1998. And we called
21 Mr. Bayliss to see if there was a more recent update of
22 this, several months ago, and he said no.

23 Q. (BY MR. LUBEL) Great. And you've shared with
24 us your comments about that particular article, Exhibit
25 6, as they relate to this case?

0033

1 A. I don't know. I -- let's see if I referenced
2 that.

3 Q. It may not have been on your reference list.

4 A. I don't believe it's on my reference list.

5 Q. Well, then, have you at least shared with me
6 your comments with regard to the questions I asked you
7 about the Chinese exposure levels, that are referenced
8 in Exhibit 6?

9 A. Yes, to the extent that they're discussed in
10 there.

11 Then --

12 Q. Just for the record, you're looking at Exhibit
13 Number 5 right now, correct, Dr. Natelson?

14 A. That's correct. And from this article, on
15 page 10 of 16, the Pliofilm workers study provides the
16 best published set of data to date for evaluating human
17 cancer risks from exposure to benzene.

18 Although the ongoing Chinese cohort studies
19 perhaps may provide information in the future to better
20 characterize risk of cancer at low dose exposure, their
21 use in the derivation of risk estimates remains
22 problematic at present for the reasons cited in section
23 2, A2.

24 So, essentially, these two articles are
25 telling us the same thing, that we need to rely on the
0034

1 Pliofilm cohort of workers for assessment of risk
2 concerning benzene and leukemia.

3 Q. Now, this was last revised, at least according
4 to the document, Exhibit 5, on January 19th of 2000.
5 Did you see that?

6 A. I don't recall the date on it. Yes.

7 Q. So, it wouldn't appear that if the updated
8 Hayes article, in 2000, was released after that date,
9 whether they had the opportunity to review the updated
10 information?

11 A. I don't know that they did.

12 Q. What caused Mr. Cowey's MDS?

13 A. Well, I think that as in most cases of MDS,
14 the cause would be unknown.

15 Q. Have you considered the generally accepted
16 known risk factors of MDS as the potential cause of his
17 disease?

18 A. Yes.

19 Q. And what are the generally accepted known risk
20 factors for his disease?

21 A. Well, the primary risk factor would simply be
22 age. We know that the incidents of both acute leukemia
23 and acute myeloid leukemia and myelodysplasia goes up
24 strikingly with age, and so that the vast majority of
25 patients you see with these diseases are older than 60,
0035

1 frequently older than 70.

2 Q. Were there any other risk factors?

3 A. Well, certainly risk factors are prior
4 chemotherapy or, let's say, chemical treatments,
5 chemical-related treatments, or radiation, may
6 predispose to these illnesses.

7 Q. Are you aware that benzene exposure was
8 considered a risk factor for MDS?

9 A. Yes.

10 Q. And is that generally accepted within the
11 knowledgeable scientific and medical community?

12 A. Yes.

13 Q. That's textbook medicine, correct?

14 A. It's commonly thought to be the case.

15 Q. What I mean by textbook medicine is, is that
16 we're not talking about just one article that somebody
17 publishes somewhere that says we think benzene causes
18 MDS, it's gotten past that stage to where there is
19 multiple articles that lend credence to it that allows
20 for textbooks to put it in there, correct?

21 A. Well, we have general acceptance that benzene
22 causes acute myeloid leukemia. And many textbooks point
23 that out.

24 Now, myelodysplasia, that term, is a
25 relatively new term. But in general, we believe that
0036

1 anything that causes AML can cause myelodysplasia.

2 So, by inference, even if you couldn't find an
3 article that said benzene causes myelodysplasia, if we
4 say, benzene causes acute myeloid leukemia, it tells us
5 it can cause myelodysplasia.

6 Q. And is that an appropriate way to look at it
7 from a scientific and medical standpoint?

8 A. I think so.

9 Q. And is it, likewise, appropriate for
10 scientists and medical people, such as yourself, to
11 consider the literature that's been written on acute
12 myeloid leukemia in order to assess MDS?

13 A. Yes.

14 Q. What other names has MDS gone by?

15 A. Well, for many years we simply used the term
16 called preleukemia for certain of the myelodysplasia
17 syndromes.

18 Now, other illnesses -- myelodysplasia
19 encompasses several different syndromes. And we knew
20 some of those syndromes by different names; for example,
21 idiopathic sideroblastic anemia, or refractory
22 sideroblastic anemia, is a classification of
23 myelodysplasia, but that has been a stand-alone disease
24 for many years and has its own ICD-9 Code.

25 Chronic myelocytic leukemia, again had been
0037

1 known for many years, has its own ICD-9 Code as a
2 stand-alone disease. Those two particular diseases were
3 lumped under the general category of myelodysplasia, I
4 would guess probably around 1982, and into the
5 classification system.

6 But prior to that, we used a simple term,
7 preleukemia, to describe an illness like Mr. Cowey's.

8 Q. In order for you to link up a person's MDS to
9 benzene exposure, what evidence must you have?

10 A. Well, we -- it's my belief, and I believe well
11 substantiated by the literature, that what we call
12 chemical-related leukemia, or secondary leukemia, is
13 what benzene is.

14 In other words, benzene is an obsolete
15 chemotherapy drug, and its mechanisms -- potential
16 mechanisms leukemogenesis are identical to our modern
17 chemotherapy drugs. So what we say for modern
18 chemotherapy agents should go for benzene.

19 Now, there is a big difference between modern
20 literature and benzene literature. In the case of
21 benzene literature, the problem is you have a handful of
22 cases and often very poor data on exposure.

23 In the case of therapy-related leukemia and
24 myelodysplasia, we have exquisite data. If a person
25 didn't smell something bad, he got injected with a gram

0038

1 of chemical IV, so we know exactly what they got and
2 when they got it.

3 We also, because we commonly keep statistics
4 on cancer patients, if they were to develop a secondary
5 leukemia, or myelodysplasia, we know exactly what the
6 latency period would be, and whether it differs for
7 different chemotherapy drugs. And we also know what
8 type of leukemia or myelodysplasia occurs following
9 chemotherapy. We also know the results of treatment and
10 life-span in people in those circumstances.

11 So, some of the articles I have here relate to
12 that, so we can say that there are certain features of
13 chemotherapy-induced leukemia. And those features might
14 be that intensity of dose is very, very important. That
15 a trivial exposure is not likely to cause an illness, it
16 needs to be of a large magnitude. And exposures that
17 are extremely large magnitude may accelerate that latent
18 phase, and so you may get AMLs developing fairly early
19 in people who get, let's say, industrial strength
20 chemotherapy, as we use for bone marrow transplantation
21 and other treatments.

22 We also know that certain types of leukemia
23 have different chromosome patterns, and so certain types
24 of therapy-related leukemia occur very early on to the
25 final chemical exposure.

0039

1 But in general, what we know is, that one
2 needs a very large exposure, that the illness that one
3 gets is acute myeloid leukemia and its associate of
4 myelodysplasia being one of them. That the latency
5 period after that can be as short as a year, but
6 generally, most people feel averages out about five
7 years, and that typically comes back to baseline about
8 10 to 12 years after the last exposure. So that the
9 latency period is very well defined for
10 chemotherapy-induced leukemia.

11 We also know that there are very
12 characteristic chromosome changes in therapy-induced
13 leukemia. For example, the common ones that are seen
14 are abnormalities of chromosomes five and seven, and in
15 most series, what you find is that in a therapy-related
16 leukemia setting, 70 to 75 percent of the patients that

17 have chromosome abnormalities will have them of
18 chromosomes five and seven. It's certainly not
19 exclusive to those chromosomes, but that is a very
20 common pattern.

21 We also would say that now that we know what
22 therapy-related leukemia is, and how it's defined by
23 thousands of cases, we can now go back and take the few
24 benzene cases we have and see if it fits into that
25 paradigm. And it does very nicely, because, for
0040

1 example, in the Hayes articles, he says that all his
2 cases of leukemia and myelodysplasia occurred in the
3 first 10 years from the last exposure. That fits
4 exactly with what we know from our benzene exposure.

5 And so what that tells me, for example, is if
6 I find a patient, for example, and they have had
7 chemotherapy 30 years ago, and now they catch leukemia,
8 it's not very likely that that leukemia is from that
9 chemotherapy because the latency period is wrong.

10 If we look at chromosome data, there are
11 certain chromosome abnormalities that can't prove
12 therapy-related disease, but are very suggestive of it.

13 On the other hand, there are certain
14 chromosome abnormalities that are much more suggestive
15 or de novo for off-the-street leukemia and
16 myelodysplasia.

17 And so by looking at the intensity of the
18 exposure to the alleged chemical, by looking at the type
19 of illness, what kind of leukemia are we talking about,
20 or myelodysplasia are we talking about, what latency
21 period we have, what chromosome abnormalities we have,
22 when we put all of that together, I think we can make a
23 good estimation as to whether or not it's reasonable or
24 likely to consider this a therapy-related leukemia or
25 unreasonable to consider it a therapy-related leukemia.

0041

1 Q. In order for you to link a person's benzene
2 exposure, assuming it was high enough, to acute
3 leukemia, or MDS, do you require that they have
4 chromosome abnormalities at five and/or seven?

5 A. No.

6 Q. Why not?

7 A. Because of the fact that we know that you can

8 see people with de novo leukemia, who have never had any
9 association with chemicals, have a five and seven
10 abnormality. So having a five and seven abnormality
11 doesn't prove therapy related, it just simply makes it
12 more likely.

13 Q. And the reason, I take it, that you think it's
14 more likely is because you see more of those chromosome
15 abnormalities in the exposed people that have the
16 disease?

17 A. Correct.

18 Q. But there is no study that you're aware of
19 that concludes that in order for a person to have a
20 benzene-induced MDS, they must have those chromosome
21 abnormalities?

22 A. No. We generally say that if we're looking at
23 leukemia that's de novo, let's say, off-the-street
24 leukemia, probably as many as 40 percent have no
25 chromosome abnormalities at all, yet they have leukemia.

0042

1 We generally think that if a person has therapy-related
2 leukemia, or myelodysplasia, that actually almost all of
3 them will have a chromosome abnormality, probably
4 upwards of 90 percent.

5 So that usually if it's therapy related, there
6 is a chromosome defect, and the common ones that are
7 seen are five and seven, but that's not exclusive.

8 Q. How much exposure is necessary for a person to
9 have in order for you to link their benzene exposures to
10 the disease MDS?

11 A. Well, I would have to consider it to be
12 similar to what we see for acute myeloid leukemia. And
13 it's generally claimed that the cumulative part per
14 million exposure is most closely related to the
15 development of AML. And there is argument about how
16 much that cumulative exposure is, but most people say at
17 least 100 to 200 part per million years exposure. Some
18 would say higher.

19 Q. And where do you fall within that exposure
20 debate?

21 A. I would say at least a hundred part per
22 million years.

23 Q. Now we've talked about exposure, we've talked
24 about the chromosome issue, and I believe you told me

25 earlier that his cell type of MDS was consistent with
0043

1 benzene exposure, correct?

2 MR. DILLARD: Object to the form.

3 A. Yes.

4 Q. (BY MR. LUBEL) You mentioned treatment. Does
5 that play a part? I was kind of making a list as you
6 were going through.

7 A. Oh, I see. How do you -- you mean treatment
8 for the myelodysplasia?

9 Q. As far as --

10 A. I think I understand what you're referring to.

11 Generally speaking, what we say is that if we have a
12 leukemia, an AML, there are certain things that let us
13 know that this leukemia might be very responsive to
14 treatment. One of them would be to not have any
15 chromosome abnormality. And we certainly see that in de
16 novo leukemia. That's a good prognostic sign.

17 On the other hand, if we know that the
18 leukemia is therapy related, and, for example, we saw a
19 a five and seven chromosome abnormality, that would tell
20 us the prognosis is terrible.

21 And the same chemotherapy that might cure
22 someone with an absent chromosomal abnormality will very
23 likely fail in someone who's got therapy-related
24 leukemia. It's considered to be a worse disease.

25 Q. Do you agree with Dr. Youman when he said --
0044

1 and I know you haven't been furnished his testimony, but
2 assume with me he said that Mr. Cowey's chromosome
3 abnormality of Trisomy 8 was consistent with benzene
4 exposure?

5 A. Well, it isn't the classical one for benzene
6 exposure -- actually, I have read his deposition.

7 Q. Oh, okay.

8 A. And I'm aware of what he said. And what I can
9 say is that if you look at patients with therapy-related
10 myelodysplasia, there are reports of Trisomy 8 among
11 such patients.

12 So it is not unheard of for a person with
13 therapy-related myelodysplasia to have Trisomy 8, but it
14 is not the typical chromosome abnormality for that
15 group.

16 Q. Do you know what percentage of the
17 therapy-related AMLs or MDSs have Trisomy 8 abnormality?

18 A. Yes, it's in one of these articles.

19 Q. Can you find that for us, please?

20 A. The best article would be the Mauritzson
21 article. There are really two articles that I have here
22 that bear on that. The first is the -- are these two
23 articles. The first is the Smith study from the
24 University of Chicago, and these are 306 patients with
25 therapy-related myelodysplasia and AML. And of this
0045

1 group none have Trisomy 8.

2 Now, the second one by Mauritzson is a much
3 larger study, it has to do with 5,098 patients. In this
4 article, specifically under myelodysplasia, what they
5 find is that in off-the-street myelodysplasia, de novo
6 myelodysplasia, they had a 13 percent incidence of
7 Trisomy 8, and in therapy-induced myelodysplasia, they
8 had a two percent incidence of Trisomy 8.

9 So that Trisomy 8 was, as they put it in their
10 abstract, abnormalities significantly more common in de
11 novo disease were Trisomy 8 as a sole abnormality. But
12 that's from their table.

13 Q. (BY MR. LUBEL) I'm going to ask the court
14 reporter to make copies of these and make them Exhibit 7
15 and return them to you today. Is that okay?

16 A. Fine.

17 MR. LUBEL: We'll mark both of those
18 articles as Exhibit Number 7.

19 MR. DILLARD: Why don't you hold off and
20 get him to mark one so that we're not marking on his
21 original.

22 (Discussion held off the record.)

23 (Exhibit 7 marked.)

24 Q. (BY MR. LUBEL) Are both the studies that you
25 just referenced for us and we're going to mark as
0046

1 Exhibit 7, are they listed in your references?

2 A. Yes.

3 Q. Are those articles that you've used before?

4 A. Yes.

5 Q. You didn't find them specifically for this
6 case?

7 A. No. Neither one of those are specific to this
8 case.

9 Q. Did any of the literature that you've
10 referenced in your report require you, for this case, to
11 go seek more information, or did you have it from
12 previous cases?

13 A. I had it from previous cases, and also from my
14 general files. In other words, I have a large file on
15 myelodysplasia with many articles that have not been
16 used in any particular action.

17 Q. Now, I've had a chance to read your testimony
18 in the Ward case.

19 A. Yes.

20 Q. That you gave to -- Mr. Hyde took your
21 deposition?

22 A. Yes.

23 Q. And I know from that case that you do not
24 believe that all cell types of MDS are causally related
25 to benzene.

0047

1 A. That's true.

2 Q. Do it either way, you can tell us the ones
3 that you think that are or the ones that aren't,
4 whichever is shorter.

5 A. Well, it's easier, I think, to do it, to say
6 the ones that I don't think, because it's primarily the
7 one illness we call idiopathic sideroblastic anemia, or
8 refractory anemia with ring sideroblasts. And that's an
9 illness that's been well studied for many, many years
10 and has never been related to benzene exposure.

11 And in the Hayes study, for example, of the
12 74,000 people, none of those patients had refractory
13 anemia with ring sideroblasts. And as I said in
14 questioning, in selecting out large numbers of people
15 with refractory sideroblastic anemia, and querying them
16 as to whether or not they've had any benzene exposure,
17 the answer is no.

18 So there would be no reason, no epidemiologic
19 study, nothing to suggest that that particular illness
20 is benzene related.

21 Q. As you sit here today, would it be fair to say
22 that that particular cell type, at least at this point
23 in time, is the only one that you're willing to come out

24 and say is not causally related to benzene?

25 A. Yes.

0048

1 Q. Based on current information?

2 A. Based on current information, yes.

3 MR. LUBEL: Do you mind if we take about
4 a three-minute break?

5 THE WITNESS: No.

6 MR. LUBEL: I'm almost done.

7 (A break was taken from 3:12 to 3:17.)

8 Q. (BY MR. LUBEL) What does the term "latency
9 period" mean?

10 A. Well, latency period would be, in the case of
11 AML, or myelodysplasia, a period between which a
12 chemical produces some sort of damage in the organism,
13 or in this case, DNA, and the time at which the
14 consequences of that become evident, usually in the
15 range of low blood counts or anemia or something of that
16 nature.

17 Q. Why is it when people have cancer there is
18 generally a number of years between the time that the
19 damage was done and the consequences are noticed?

20 MR. DILLARD: Object to the form.

21 MS. YATES: Form.

22 A. There are many theories on that, but one
23 thought is that damage begets damage, and what may
24 happen is that an event occurs, such that a chromosome
25 is damaged. The body has tremendous reparative

0049

1 mechanisms to try to fix that, but sometimes it's not
2 fixed, and the defect is carried over from cell division
3 to cell division to cell division, and eventually the
4 defect may cause a second defect to occur, and then a
5 third, and finally, you have enough of a problem where
6 it becomes evident in the cell counts, and that may take
7 a certain amount of time.

8 And that's probably the reason that we have a
9 window of latency, because, in other words, it's like --
10 it's as though the body has a certain period of time to
11 fix something, and if it doesn't get it fixed, something
12 bad is going to happen. And if it goes through such a
13 long period of time, in some instances, it's too late
14 for the bad thing to happen. The body has finally fixed

15 it.

16 And so I think that's why in the case of acute
17 leukemia, we have a window why we don't have leukemia
18 just occurring randomly after these exposures to
19 chemicals.

20 Q. At what point in time do you start to
21 calculate the beginning point of a latency period?

22 A. Well, I generally calculate that from the last
23 chemical exposure.

24 Q. Is there a study or textbook that tells you
25 that that's the way you calculate it?

0050

1 A. No, I don't think I've seen that. But the
2 reason that that's done is because most of the time with
3 cancer chemotherapy, we're talking about an onset of
4 treatment and a completion of treatment that are not too
5 far distant from each other. In other words, we don't
6 often give people chemotherapy day after day, year after
7 year. We give it for a finite period of time. So if
8 you looked at the first exposure and you looked at the
9 last exposure, it wouldn't be a vast difference, in
10 terms of latency.

11 And so, generally, most of the authors will
12 calculate it from when the treatment was completed to
13 the time the illness appeared.

14 Q. So most people calculate it from the last day
15 of the chemical exposure as opposed to the first day,
16 would that be a fair statement?

17 A. I think that's true.

18 Q. But when you look at chemotherapy treatments
19 by and large, it doesn't make a difference what study
20 you use?

21 A. Because of the fact that within reason, they
22 are -- they are spaced close together. Now, in some
23 instances, what you'll find is a person will be treated,
24 few years will go by, and they relapse, now get a second
25 set of treatment, and so there, there is a big disparity

0051

1 between the first treatment and the last treatment. But
2 most will take the last treatment as the point in time.

3 Q. What is the range of latency periods for AML?

4 A. We generally say, and many of these articles
5 bear on that, and comment on that, we generally say that

6 it is -- the upper limits are 10 to 12 years.

7 Q. The upper limits?

8 A. Yeah. In other words, that most leukemias
9 occurring from secondary causes occur from, let's say,
10 year three to year seven, and under certain
11 circumstances, they could occur as early as year one, or
12 might occur as late as year 10 to 12.

13 Q. What affects that, whether it happens in year
14 one or year 10 or 12?

15 A. In some instances, it's the intensity of the
16 treatment. It appears as though you may get leukemia
17 earlier with very intensive regimens.

18 Q. You're talking about chemotherapy treatment?

19 A. Yes. I'm talking about chemotherapy
20 treatment.

21 Q. Let's digress for a minute and talk about
22 benzene exposures. Do any of your articles tell us what
23 the outer limits are for benzene exposures outside of
24 the chemotherapy arena?

25 A. Yes. In other words, the Hayes articles, as I
0052

1 mentioned, says that. If we could find that article.
2 He says, the temporal pattern of benzene exposure
3 appears to be important in determining the risk of
4 developing a specific disease. And then he says that,
5 in our study, ANLL/MDS was linked to recent benzene
6 exposure, and additional distant exposure did not appear
7 to further increase risk. This finding suggests that
8 recent benzene exposure is predictive of substantive
9 risks for ANLL/MDS analogous to the short latency and
10 wave-like increase, then decrease in risks seen for
11 several forms of radiation-induced leukemia and for
12 chemotherapy-related AML.

13 In other words, as I read this, he is saying
14 that benzene is exactly identical to what we see for
15 therapy-related leukemia.

16 Q. When was Mr. Cowey's last exposure?

17 A. Well --

18 Q. Or do we know?

19 A. I don't think we know that. Now, he alleges
20 the use of Liquid Wrench, and having seen many experts
21 describe Liquid Wrench, it is my understanding that
22 after 1978, it did not contain benzene. And so one

23 would say that were he using Liquid Wrench, and did it
24 contain benzene at the time he was using it, the last
25 potential exposure would have been around 1978.

0053

1 Q. To that product?

2 A. To that particular product, yes.

3 Q. But to the extent that he had exposures to
4 benzene in other products, that wouldn't surprise you,
5 would it?

6 A. I don't know what his other exposures were,
7 really. I've read his deposition. But I don't know
8 what the -- what his potential would be for other
9 benzene exposures in products. He talked about doing
10 house painting and a number of other projects.

11 Q. You recognize that there is benzene, albeit in
12 small amounts, in paints and paint thinners and things
13 of that nature?

14 A. Yes. Benzene would be a contaminant in many
15 solvents.

16 Q. It's even in gasoline?

17 A. Yes, it is in gasoline.

18 Q. So, it wouldn't surprise you if he had other
19 exposures after 1978?

20 A. No, it would not.

21 Q. And are you prepared at this point in time to
22 say when you believe Mr. Cowey's latency period should
23 start to run for calculation purposes?

24 MR. DILLARD: Object to the form.

25 MS. YATES: Form.

0054

1 A. Well, I basically have his deposition to go on
2 as to what he did. And of the materials he mentions,
3 the only one that would have a potential for substantial
4 amount of benzene in it would be the Liquid Wrench.

5 In other words, that is a compound that might
6 have as much as five percent benzene in it, whereas you
7 certainly wouldn't find five percent benzene in house
8 paint, or anything close to that.

9 So that if I looked at what did he use that
10 had the potential for, from his description, significant
11 benzene, that would be the most prominent compound.

12 Q. But I thought benzene was a cumulative
13 disease? Benzene exposure causes a cumulative disease?

14 A. Benzene is cumulative, yes.

15 Q. So, each and every exposure can contribute to
16 the disease process?

17 A. Ah, I see what you're driving at. Yes, that
18 is correct.

19 Q. So, to the extent that he had exposures
20 pre'78, and then he continued to have some exposures
21 post'78, although, in your opinion, less significant, or
22 smaller, they would still -- his last exposure in time
23 for latency purposes would still be after 1978, would
24 they not?

25 A. Well, I don't know when his last exposure
0055

1 would have been.

2 Q. That's fair enough.

3 Now, have you read Dr. Irons' testimony?

4 A. Yes.

5 Q. He says in here that he believes that the
6 outside time limit for the latency period is 15 years.
7 Do you remember seeing that?

8 A. Yes.

9 Q. In terms of quantitative numbers. And then I
10 asked him could he pull the peer reviewed studies that
11 state that opinion, in order for a malignancy to be
12 related to benzene, the malignancy must manifest itself
13 within 15 years of last exposure, and he said there was
14 no literature that specifically was going to say that.
15 Do you agree with that statement?

16 A. I'm not aware of such literature other than
17 comments such as we see in the Hayes article, for
18 example.

19 Q. You're not saying that the Hayes article
20 stands for the conclusion that for a person to have a
21 benzene-induced malignancy, such as AML or MDS, that it
22 must manifest itself within 10 years of the last date of
23 exposure, are you?

24 A. Not within 10 years, no. I think it could be
25 a little longer than that. In other words, if you had
0056

1 exposure to benzene, and it was of the magnitude to
2 cause leukemia, and then that exposure ceased, you might
3 go 10 to 12 years before you caught the leukemia. But I
4 don't think much longer than that, based on what we know

5 from chemotherapy data.

6 Q. And it's your opinion that the reason there is
7 a range between one year to 10 to 12 years, or whatever
8 it is, that, generally speaking, that range seems to be
9 dependent upon the size of the exposures, or I believe
10 you said intensity?

11 A. Well, that is one factor. It's the intensity
12 of the exposure. In some cases, with chemotherapy, it's
13 the specific drug used. Certain drugs tend to promote
14 the leukemia to occur earlier than others. The
15 concomitant use of radiation therapy. There would be
16 other confounding factors. But there is a distribution
17 curve when that leukemia occurs.

18 Q. Did smoking cause Mr. Cowey's MDS?

19 A. Well, there would be no way to know. We --
20 there are articles that suggest that myelodysplasia,
21 like acute leukemia, is at slightly increased risk in
22 smokers.

23 Q. What's your opinion based on his smoking
24 history?

25 A. Well, he ceased smoking sometime earlier
0057

1 before this illness. I've forgotten -- I'd have to
2 review what I said about his smoking history. I don't
3 remember how many years he smoked.

4 Q. In your report you don't make any statement
5 that smoking contributed to cause his disease. That's
6 why I'm asking. I want to make sure.

7 A. I see. No. Well, it's generally thought that
8 a person who smokes for many years, let's say 20 or 25
9 years, can accumulate as much as four to six part per
10 million years of exposure. That's well below what we
11 think causes AML. But it is benzene exposure. And it
12 is well known that a smoker generally is exposed to 10
13 times the amount of benzene that a nonsmoker is. But do
14 I think myelodysplasia caused his illness -- do I think
15 that smoking caused his illness of myelodysplasia, I
16 would have no reason to think that.

17 Q. How about, do you think that chemotherapy
18 agents or drugs caused his MDS?

19 A. We have no record that he received any such
20 drugs.

21 Q. So the answer, I take it, based upon what you

22 have before you is that it didn't?

23 A. Yes. We don't have any evidence.

24 Q. Same question for radiation treatment, or
25 ionizing radiation.

0058

1 A. Yes. There was no evidence he received
2 radiation. His treatment for the prostate cancer was
3 surgical.

4 Q. And I take it from what I've heard you say
5 today, you do not believe that benzene contributed to
6 cause this disease?

7 A. His disease of myelodysplasia we're talking
8 about?

9 Q. Correct.

10 A. No, I don't think that benzene contributed to
11 his disease.

12 Q. And that's based upon what?

13 A. His deposition; in other words, my reading of
14 his deposition would be that he is not comparable to
15 someone working in, let's say a benzene-related
16 industry, that his exposures were casual, as most
17 handymen, including myself, would have over the years
18 and could not have been of the magnitude to give him a
19 hundred part per million years exposure.

20 Q. Are you relying on any independent basis for
21 that other than your own opinion, based upon your own
22 work?

23 A. No. That's just my opinion.

24 Q. In other words, you're not relying on any
25 exposure data outside of what you've seen from

0059

1 Mr. Cowey's own testimony?

2 A. That's correct.

3 Q. Did you use Liquid Wrench?

4 A. No, I never used Liquid Wrench.

5 MR. LUBEL: Enjoyed meeting you. Thanks
6 for your time.

7 MR. DILLARD: We'll reserve ours.
8 Thanks.

9 MS. YATES: Reserve ours.

10

11

12

13
14
15
16
17
18
19
20
21
22
23
24
25

0060

CHANGES AND SIGNATURE.

2 PAGELINE CHANGE REASON

3	
4	
5	
6	
7	
8	
9	
10	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	
25	

0061

1 I, ETHAN A. NATELSON, MD, have read the
foregoing deposition and hereby affix my signature that
2 same is true and correct, except as noted above.

3
4
5 _____
6 ETHAN A. NATELSON, MD

7 THE STATE OF _____)
8 COUNTY OF _____)

9 Before me, _____, on
10 this day personally appeared ETHAN A. NATELSON, MD,
11 known to me (or proved to me under oath or through
12 _____) (description of identity
13 card or other document) to be the person whose name is
14 subscribed to the foregoing instrument and acknowledged
15 to me that they executed the same for the purposes and
16 consideration therein expressed.

17 Given under my hand and seal of office this
18 _____ day of _____, _____.

19 _____
20 NOTARY PUBLIC IN AND FOR
21 THE STATE OF _____
22 COMMISSION EXPIRES: _____

23
24
25
0062 CAUSE NO. A-167,693

1 JAMES COWEY AND RUTH) IN THE DISTRICT COURT
2 COWEY)

3)
4 PLAINTIFFS,)

5)
6 VS.) JEFFERSON COUNTY, TEXAS

7)
8 RADIATOR SPECIALTY)
9 COMPANY, ET AL)

)
DEFENDANTS.)
) 58TH JUDICIAL DISTRICT

REPORTER'S CERTIFICATION
DEPOSITION OF ETHAN A. NATELSON, MD
DECEMBER 3, 2003

I, Mark A. Miller, Certified Shorthand Reporter in
and for the State of Texas, hereby certify to the
following:

That the witness, ETHAN A. NATELSON, MD, was duly
sworn by the officer and that the transcript of the oral
deposition is a true record of the testimony given by
the witness;

That the deposition transcript was submitted on
_____ to the witness or to the attorney
for the witness for examination, signature and return to
me by _____;

That the amount of time used by each party at the
deposition is as follows:

MR. LUBEL.....1 HOURS:17 MINUTE(S)

0063

That pursuant to information given to the
deposition officer at the time said testimony was taken,
the following includes counsel for all parties of
record:

FOR THE PLAINTIFFS:

MR. LANCE LUBEL
HEARD, ROBINS, CLOUD, LUBEL & GREENWOOD
910 TRAVIS STREET, SUITE 2020
HOUSTON, TEXAS 77002

FOR THE DEFENDANTS UNITED STATES STEEL CORPORATION,
ARISTECH CHEMICAL CORPORATION AND USX CORPORATION:

MR. STEPHEN C. DILLARD
FULBRIGHT & JAWORSKI
1301 MCKINNEY, SUITE 5100
HOUSTON, TEXAS 77010

FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:
MR. JAMES M. RILEY

14 MS. STACY K. YATES
COATS, ROSE, YALE, RYMAN & LEE
15 1001 FANNIN, SUITE 800
HOUSTON, TEXAS 77002-6707
16

17 I further certify that I am neither counsel for,
18 related to, nor employed by any of the parties or
19 attorneys in the action in which this proceeding was
20 taken, and further that I am not financially or
21 otherwise interested in the outcome of the action.
22
23
24
25

0064

1 Further certification requirements pursuant to Rule
2 203 of TRCP will be certified to after they have
3 occurred.

4 Certified to by me this 5TH of DECEMBER, 2003.
5
6
7

Mark A. Miller

8 Texas CSR No. 1190

Expiration Date: 12/31/04

9 Nell McCallum & Associates

10 Firm Registration No. 243
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

0065

1 FURTHER CERTIFICATION UNDER RULE 203 TRCP

2 The original deposition was/was not returned to the
3 deposition officer on _____;

4 If returned, the attached Changes and Signature
5 page contains any changes and the reasons therefor;

6 If returned, the original deposition was delivered
7 to _____, Custodial Attorney;

8 That \$_____ is the deposition officer's
9 charges to the Plaintiff for preparing the original
10 deposition transcript and any copies of exhibits;

11 That the deposition was delivered in accordance
12 with Rule 203.3, and that a copy of this certificate was
13 served on all parties shown herein on and filed with the
14 Clerk.

15 Certified to by me this _____ day of
16 _____, 2003.

17

18

19

Mark A. Miller

Texas CSR No. 1190

Expiration Date: 12/31/04

21

Nell McCallum & Associates

Firm Registration No. 243

22

23

24

25