

1
1 IN THE UNITED STATES DISTRICT COURT
2 FOR THE DISTRICT OF COLUMBIA
3 Civil Action No. 93-0561 (HHG, DAR)
4 **BETSY LAKIE,**
5 Plaintiff,
6 **vs.**
7 **SMITHKLINE BEECHAM, et al.,**
8 Defendants.
9 **DEPOSITION OF RICHARD D. IRONS**
10 **April 12, 1996**
11 1860 Blake Street
12 Fifth Floor
13 Denver, Colorado
14 A P P E A R A N C E S
15
16
17 HARVEY S. WILLIAMS, Esq. 1019 19th Street, N.W.
18 Suite 800 Washington, D.C. 20036
19 Appearing for the Plaintiff.
20 DANIEL W. WHITNEY, Esq.
21 HOWELL, GATELY, WHITNEY & CARTER Twelfth Floor
22 401 Washington Avenue Towson, Maryland 21204
23 Appearing for the Defendant
24 SmithKline Beecham.
25

2

1 The deposition of RICHARD D. IRONS,
2 produced, sworn and examined upon oath on the 12th
3 day of April, 1996 at 10:04 a.m., at 1860 Blake
4 Street, City and County of Denver, State of Colorado,
5 before me, Jessica Swaim, a Registered Professional
6 Reporter and a Notary Public within and for the City
7 and County of Denver, State of Colorado, pursuant to
8 the Federal Rules of Civil Procedure for the
9 examination of the said RICHARD D. IRONS, a witness
10 called for examination by the plaintiff herein.

11

12 INDEX

13 Witness: Pane No.

14 RICHARD D. IRONS

15 Examination by Mr. Williams 3

16 Marked at

17 Exhibit No. Pace No.

18 Irons Depo Exh. 1 5

19 (Command to Appear at Deposition)

Irons Depo Exh. 2 5

20 (List of Cases)

Irons Depo Exh. 3 83

21 (Irons notebook)

Irons Depo Exh. 4 158

22 (Irons file)

23

24

25

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

3

1 RICHARD D. IRONS,
2 being first duly sworn to state the truth, the whole
3 truth and nothing but the truth, testified on oath as
4 follows:

5 EXAMINATION

6 BY MR. WILLIAMS:

7 Q. State your name for the record,
8 please.

9 A. Richard D. Irons.

10 Q. And what is your occupation, sir?

11 A. I'm a toxicologist at the University of
12 Colorado Health Sciences Center.

13 Q. How long have you had that position?

14 A. Since January 1989.

15 Q. I'm going to show you what we'll mark
16 as Exhibit 1, and ask if you can identify that.

17 A. It's a deposition notice.

18 Q. Is that for the deposition today?

19 A. Yes.

20 Q. Did you receive a copy of that prior to
21 today?

22 A. I saw it briefly yesterday.

23 Q. Okay. Were you able to bring the
24 documents requested?

25 A. Some of them.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

4

1 Q. What weren't you able to bring?

2 MR. WHITNEY: We object to category 7
3 and 18 as usual.

4 MR. WILLIAMS: Did you fax 12 to my
5 off ice?

6 MR. WHITNEY: Yeah. And also we
7 object to item 14, which is sort of a conditional
8 objection, since I'm not quite sure of the status, if
9 one of the documents is responsive. That relates to
10 a report in another opinion case. And I don't want
11 to breach someone else's work product. So I need to
12 contact those attorneys to find out exactly where the
13 case is.

14 MR. WILLIAMS: Is that a case that Dr.
15 Irons is working on?

16 MR. WHITNEY: (Indicating.)

17 A. Eleven, to the extent that I have
18 submitted the report, my report in this case.

19 Q. (BY MR. WILLIAMS) okay. Paragraph 11
20 refers to cases where you've testified either in
21 deposition or at trial; correct?

22 A. Yes.

23 Q. The list of cases I have were started
24 in 1992 through 1995. Is that what you're referring
25 to?

AVERY/WOODS REPORTING SERVICE, INC. (,303) 825-6119

5

1 A. Yes.

2 Q. Did you have any-- did you participate
3 in any litigation prior to 1992?

4 A. Yes. I don't have any record of those
5 cases. That is what I had at the time that I
6 responded to the report, the report submission.

7 Q. Okay. Did you bring a copy of your
8 report that you submitted in this case?

9 A. Yes.

10 Q. Are the cases listed on your CV or on
11 your report?

12 A. Neither. It was submitted with the
13 report, but it's not attached to the report. This is
14 just a copy of the report.

15 Q. Okay. And did you bring the list of
16 the cases with you here today? Is it with you?

17 A. No.

18 (Whereupon, documents were marked Irons
19 Deposition Exhibits 1 and 2 for identification by the
20 reporter.)

21 A. Twenty-one in particular and to a
22 certain extent 22, I did not attempt to bring every
23 article or manuscript that I possess relating to
24 benzene or myelodysplastic syndrome. It would be in
25 the thousands.

AVERY/WOODS REPORTT_NG SERVICE, INC. (303)325-6119

6

1 Q. Okay. Did you bring all the articles
2 which are relevant to your opinions in this case?

3 A. I believe so, yes.

4 Q. Okay. I want to refer again to Exhibit

5 2. Can you identify this for the record?

6 A. Yes.

7 Q. And what is Exhibit 2?

8 A. It's a list of cases that I've

9 testified in either by deposition or trial testimony

10 from 1992 through the time that I submitted my

11 report, which was in September 1995.

12 Q. Okay. Is this a complete list of cases

13 from 1992 to the-

14 A. It's-- they're-- I have given, I

15 believe, two depositions since then. It is complete,

16 as I recall, up until September 1995.

17 Q. And that was the last case listed as

18 Lavender V. Miles?

19 A. Yes.

20 Q. What cases were you involved in

21 subsequent to Lavender?

22 A. I have given a deposition in a case

23 called Poston V. Monsanto.

24 Q. Is it P-o-s-t-e-n?

25 A. It o-n, I believe.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

7

1 Q. When was that deposition?

2 A. It may be-- that was given I believe-
3 it was either late 1:95 or early January. I think it
4 was late 1995.

5 Q. And what's the jurisdiction of that
6 case?

7 A. Texas.

8 Q. Is it in U.S. District Court?

9 A. I believe it was state court,
10 Galveston.

11 Q. And do you know who the attorneys are
12 in the case?

13 A. The case is settled. It's no longer
14 pending. The attorneys were Jeffrey Kilgore-

15 Q. He was the attorney for the plaintiff?

16 A. Plaintiff. And-- let's see-- I believe
17 it was Robert Jones.

18 Q. Was the attorney for Monsanto?

19 A. Yes.

20 Q. Were you working for Monsanto in that
21 case?

22 A. Yes.

23 Q. Do you have information on you today as
24 to the phone numbers or addresses for Jeffrey
25 Kilgore?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

8

1 A. No.

2 Q. Would you have that in your office?

3 A. No, not to my knowledge.

4 Q. Would you have the case number-

5 A. No.

6 Q. -- of the case?

7 A. No.

8 Q. You know when it settled?

9 A. I believe it was December 1995. I'm

10 not positive though.

11 Q. Okay. Did that case involve exposure
12 to benzene?

13 A. Yes. That was the allegation.

14 Q. What type of exposure was it?

15 A. Occupational.

16 Q. Do you know what the levels alleged
17 were?

18 A. No. I don't recall.

19 Q. Did you prepare a report in that case,
20 as you have in this case?

21 A. I believe so.

22 Q. Do you recall what the disease
23 manifested by the plaintiff was in the case?

24 A. It was multiple myeloma.

25 Q. Were there any other chemical exposures

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

9

1 other than benzene?

2 A. I don't recall the specific
3 allegations. I believe benzene was certainly the
4 major chemical that was at issue in the case.

5 Q. Where was the plant where the exposure
6 occurred?

7 A. I don't recall. It would be Texas.

8 Q. Okay. Texas refinery?

9 A. I'm not sure it was a refinery. It may
10 have been.

11 Q. Okay. And other than the Poston Versus
12 Monsanto case, have there been any others?

13 A. Testified in a case called McFarland.

14 Q. McFarland is the plaintiff?

15 A. Was the plaintiff. The defendants in
16 that case were a group of insurance companies.

17 Q. Do you know the name of the group?

18 A. I think Hartford was one of them.

19 Q. Did that case involve a chemical
20 exposure?

21 A. The allegation was benzene again, and
22 the disease was multiple myeloma.

23 Q. What type of exposure?

24 A. Again, I don't recall. Basically my
25 testimony in both of these cases dealt primarily with
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

10

1 causation, and the exposures were not as important to
2 my opinion as the nature of the disease.

3 Q. Your opinion was that benzene does not
4 cause multiple myeloma?

5 A. That's correct.

6 Q. Under any set of circumstances?

7 A. Under any set of circumstances.

8 Q. When did you testify in that case?

9 A. It was over the same period, so it was
10 in the late-- it was the fall or winter of 1995.

11 Q. And where is that case pending?

12 A. I believe again that case is settled.

13 It was-- I believe it was set in Calcasieu Parish,
14 Louisiana.

15 Q. And do you know who the plaintiff's
16 attorney was?

17 A. Herschel Hobson.

18 Q. Hobson?

19 A. Hobson.

20 Q. Do you know where his office is?

21 A. I know. I'm blanking. East of
22 Houston.

23 Q. His office is in Texas?

24 A. Yes. Lubbock. Lubbock, Texas.

25 Q. And the defendant's attorneys.

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

11

1 A. Jeffrey Balfour.

2 Q. Is he in Louisiana or Texas?

3 A. Take Charles.

4 Q. Was it federal court or state court?

5 A. The state court.

6 Q. Do you know the case number?

7 A. No.

8 Q. Was it in Lake Charles court?

9 A. It never got to court, so I don't

10 recall specifically where it was set. I do believe

11 it was in Calcasieu Parish. I recall that.

12 Q. Which parish?

13 A. Calcasieu.

14 Q. Okay. Do you know where the exposure

15 occurred in that case?

16 A. I don't recall the specifics at this

17 time.

18 Q. Other than those two, are there any

19 other additional cases?

20 A. Those are the only cases that I've

21 testified in since the time of this report.

22 Q. Do you have the information I've been

23 asking you about those cases with regard to the

24 attorneys' names and where the cases were pending?

25 Do you have that information for the

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

12

1 cases listed on Exhibit 2?

2 A. In certain-- in some cases, yes; in
3 some cases, no. This was constructed from memory,
4 and I don't have complete information on all of
5 them. I have no case number information. on any of
6 them.

7 Q. Okay. Would you mind getting what
8 information you have that's responsive to this
9 paragraph 11 of the deposition notice with regard to
10 those other cases?

11 A. To the extent that I can recall that, I
12 can do that.

13 Q. Okay. Thanks. I have a question on
14 paragraph 14 of the deposition notice. Have you been
15 consulted or are you working on any case involving
16 myelodysplastic syndrome?

17 A. Yes, I am.

18 Q. Where is that case pending?

19 A. Beaumont, Texas.

20 Q. Are you working for the plaintiff or
21 the defendant?

22 A. Defendant.

23 Q. Who is the defendant?

24 A. It's a group of defendants. I'm not
25 sure I can name to you who the companies are.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

13

1 Q. Is it a benzene exposure case?

2 A. That's the allegation. I've seen no
3 information that would lead me to believe that there
4 was any exposure to benzene.

5 Q. The allegation, though, is the
6 chemical-

7 A. Yes.

8 Q. -- involved in the case is benzene?

9 A. Yes.

10 Q. And the disease alleged is which one of
11 the four listed?

12 A. It's a refractory anemia.

13 Q. Simple refractory anemia?

14 A. Yes.

15 Q. Which would also be myelodysplastic
16 syndrome; right?

17 A. Refractory anemia is included within
18 the broader rubric of myelodysplastic syndrome.

19 Q. Okay. Was this an occupational
20 exposure?

21 A. That's the allegation.

22 Q. Okay. Do you know who the plaintiff's
23 attorney is?

24 A. Herschel Hobson.

25 Q. Have you rendered an opinion in that
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

14

1 case?

2 A. Yes.

3 Q. Have you issued a written report?

4 A. Yes.

5 Q. And has that been provided to the

6 plaintiff's attorney?

7 A. To my knowledge. I don't have any

8 direct knowledge of that.

9 Q. Has suit been filed? I guess it has.

10 A. Pardon?

11 Q. Suit has been filed in this case?

12 A. Yes.

13 Q. Is it pending in Texas?

14 A. Yes.

15 Q. In Beaumont, Texas?

16 A. Yes.

17 Q. State or federal court?

18 A. State, I believe.

19 Q. And I take it you haven't given any

20 testimony yet in that case?

21 A. No.

22 Q. Other than that case and this case,

23 have you been asked to give an opinion as to the

24 cause of myelodysplastic syndrome in any litigation?

25 A. I don't believe so.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

15

1 Q. And in the Beaumont, in the case we've
2 just been talking about, you know the plaintiff's
3 name?

4 A. Castro.

5 Q. And the defendant is a group of
6 companies?

7 A. I believe so.

8 Q. Is it insurance companies or-

9 A. I believe it's chemical companies.

10 Q. And in that case I take it your opinion
11 is that there was no exposure?

12 A. That's an oversimplification of my
13 opinion. My opinion essentially is that the presence
14 of refractory anemia in and of itself does not
15 constitute evidence of exposure to benzene.

16 Q. What would constitute evidence of
17 exposure to benzene?

18 A. Well, it would depend on-- in an
19 occupational setting one has industrial hygiene data
20 or information relative to the amount of benzene that
21 is available, the opportunity for exposure, the
22 potential concentration of benzene, the use of
23 benzene, and an itemization of the conditions under
24 which it's used, whether or not the individual used
25 protective equipment. A variety of issues relate to
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

16

1 the potential for exposure.

2 Q. I take it then that, if there was
3 evidence of an exposure, would refractory anemia be
4 evidence of benzene toxicity?

5 A. If there was sufficient exposure to
6 benzene in a chronic environment to induce bone
7 marrow injury, one would not expect to see a simple
8 refractory anemia per se, but refractory anemia would
9 be among the constellation of effects that you might
10 expect from chronic exposure to high levels of
11 benzene.

12 Q. Other than the cases we've been
13 discussing, are there any others that you haven't
14 mentioned that you've been involved with since '92?

15 A. To the best of my recollection this
16 list is complete, but it may not be. As I said, it
17 was constructed from memory. I believe it's
18 essentially complete.

19 Q. Okay. In any of the cases in Exhibit
20 2, did you testify on behalf of the plaintiff?

21 A. Yes.

22 Q. Which one?

23 A. Anschultz Versus National
24 Semiconductor.

25 Q. What was the issue in that case?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

17

1 A. It was lead.

2 Q. Lead poisoning?

3 A. Lead environmental contamination.

4 Q. On all the others have you testified on
5 behalf of defendants?

6 A. That's correct.

7 Q. Are these defendants all either
8 petroleum companies or chemical manufacturers?

9 A. KNE Energy is a utility company.

10 Q. What was the allegation in that case?

11 A. Environmental contamination.

12 Q. From what?

13 A. Benzene.

14 Q. What was the disease in that case?

15 A. That's a very good question. It was a
16 community. There were two hundred plaintiffs. To
17 the best of my recollection there were no cancers and
18 leukemias in the group.

19 Q. Was there any other evidence of bone
20 marrow toxicity in the group?

21 A. No.

22 Q. There was no blood dyscrasias?

23 A. No.

24 Q. No myelodysplastic syndromes?

25 A. No.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

18

1 Q. Other than in KNE Energy, are the rest
2 of the companies either chemical manufacturers or
3 petroleum-related industries?

4 A. I believe so.

5 Q. How many of the other cases involve
6 benzene as an element of the cause of action?

7 A. Seven or eight.

8 Q. I guess I should ask you to identify
9 the cases rather than just count them. Which ones
10 involve benzene exposure?

11 A. Albertson.

12 Q. What type of exposure did Albertson
13 involve?

14 A. The allegation was ground water
15 contamination.

16 Q. From what?

17 A. From a regional distribution site.

18 Q. Was this a regional petroleum product?

19 A. No. Natural gas.

20 Q. Was there just one plaintiff or
21 multiple plaintiffs?

22 A. It was a group of plaintiffs.

23 Q. Do you know what the diseases alleged
24 were?

25 A. No. As I said before, it was a large
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

19

1 list of miscellaneous.

2 Q. I'm sorry. Is that the same-- is that
3 the-- oh, that's the same case we just discussed. I
4 didn't realize that. I'm sorry.

5 A. Yes.

6 Q. What's the next one that involved a
7 benzene exposure?

8 A. LeBlanc Versus City Services.

9 Q. Was that an occupational exposure?

10 A. Yes.

11 Q. And what type of disease occurred in
12 that case?

13 A. I believe it was a-- there were a
14 couple of plaintiffs in that case. I believe it was
15 multiple myeloma and a non-Hodgkin's lymphoma.

16 Q. Okay. What do you have next?

17 A. Choate Versus Amoco.

18 Q. What type of exposure did that involve?

19 A. Occupational exposure.

20 Q. What was the disease?

21 A. Multiple myeloma.

22 Q. Is that case in Texas also?

23 A. Yes.

24 Q. You remember who the plaintiff's
25 attorney was?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

20

1 A. I think it was Jeffrey Kilgore.

2 Q. And which case is next on the list that
3 was a benzene exposure allegation?

4 A. Dendinger Versus Kanab Pipeline.

5 Q. What type of exposure was that?

6 A. It was a gasoline storage container
7 underground leak. I believe the allegation was
8 benzene. It was a property case.

9 Q. Do you remember the disease in that
10 case?

11 A. I don't believe there were any.

12 Q. Okay. Were the plaintiffs alleging
13 benzene exposure and a disease?

14 A. I believe benzene was the principal
15 compound in the complaint, but gasoline was the
16 substance that was at issue.

17 Q. Benzene is contained in gasoline,
18 right?

19 A. Pardon?

20 Q. Benzene is contained in gasoline; is
21 that correct?

22 A. There are trace amounts of benzene in
23 gasoline.

24 Q. What is a trace amount?

25 A. A very small amount.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

21

1 Q. Is there a number which is in your mind
2 when you say trace amount or a range?

3 A. In the United States today, on average
4 you're looking at somewhere between a fraction of a
5 percent and maybe as much as two percent.

6 Q. So up to two percent would be
7 considered trace?

8 A. With respect to the potential for
9 contamination or exposure to benzene, yes, I would
10 call that trace.

11 Q. Is that a standard that is set in terms
12 of petroleum products by any organization?

13 A. Pardon? I don't understand the
14 question.

15 Q. Is there a level placed on how much
16 benzene can be in gasoline?

17 A. I think in general the focus is on
18 minimizing the amount of benzene that's present in
19 gasoline. So it's usually much less than two
20 percent. In Europe it tends to be more.

21 Q. What would it be called if it was five
22 percent in terms of the amount?

23 A. If it was five percent or greater, five
24 percent is often arbitrarily used as a benchmark with
25 respect to a product having a significant amount of
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

22

1 benzene, certainly as a solvent. Generally levels
2 below five percent do not tend to pose a significant
3 hazard with respect to transient exposure to the
4 product, certainly in the atmosphere, as far as
5 benzene is concerned. Below that the exposure to
6 benzene is minimal.

7 Q. Below five percent?

8 A. Usually, yes.

9 Q. So would anything under five percent be
10 considered trace?

11 A. The term "trace,, refers to, in strict
12 terminology, the measurement of small amounts of the
13 substance. With respect to the amounts of benzene
14 that are normally found in the air, using gasoline
15 products of two percent or less, the amounts that are
16 present are usually one part per million or less in
17 the air.

18 Q. In the air, in a workplace; is that
19 what you're referring to?

20 A. Yes.

21 Q. You're not referring to the air-

22 A. Well, with gasoline I'm talking about
23 conditions under which you will normally be pumping
24 it, such as when you fill your tank at a gas station.

25 Q. Okay. So even in an outdoor space,

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

23

1 you're saying it would be one part per million?

2 A. Within the breathing range of an
3 individual pumping gas, one part per million is
4 certainly what the EPA calculates as the average
5 potential exposure to benzene in the pumping of gas.

6 Q. And has that been determined with
7 regard to this trace amount that you've been
8 referring to?

9 A. I don't understand the question.

10 Q. If the product has two percent or less
11 of benzene in it, the gasoline, would that-- is it
12 your understanding that that's been calculated to
13 result in an ambient air level of one part per
14 million?

15 A. It isn't calculated. What I'm saying
16 is, when the EPA has measured the amount of benzene
17 in the air in and around, in the vicinity of
18 individuals pumping gasoline, that that is the level
19 that they see normally associated with that
20 operation. It's not a calculation per se.

21 Q. Have they published these findings?

22 A. Oh, yes.

23 Q. Where are they published?

24 A. Several places. I believe one of the
25 articles I brought refers to that.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

24

1 Q. Do you know -he name of the article?

2 A. It's by a min named Wallace, Lance

3 Wallace.

4 Q. Was it one of the articles you attached

5 or you referenced in your report?

6 A. Yes.

7 Q. You don't need to get it out. Is the

8 term "trace amount," is there an industry or commonly

9 accepted understanding of that term in the industry?

10 A. "Trace" is a term that is used by

11 analytic chemists or toxicologists usually to refer

12 to detectable but unquantifiable amounts of a

13 substance in another. That's the-- that is in fact

14 the precise definition of "trace".

15 Q. What does "unquantifiable" mean?

16 A. It means that the levels are not

17 determinable based upon the sensitivity of the method

18 accurately enough to place a number on them.

19 Q. I suppose then that one percent, that

20 would be a number-

21 A. When I first used the term "trace," I

22 was using it loosely. I was not using it to refer to

23 a technical or specific definition. I was using it

24 to refer to small amounts of a substance in another.

25 Our discussion so far has focused on

AVERY/WOODS REPORTING SERVICE, !NC. (303) 825-6119

25

1 solvents, gasoline being a mixture of solvents. In a
2 solvent mix, the percents we're talking about
3 represent a small amount of benzene in that product.
4 I don't think it is technically accurate to refer to
5 it as trace if you're talking about trace as an
6 analytical term.

7 Q. Okay. So the strict definition of
8 "trace" would appear as an analytical, chemical
9 word, or toxicological word and would refer to those
10 amounts that you can detect but can't measure?

11 A. In a very strict sense, yes, but the
12 word "trace" is also used in other contexts. For
13 instance, "trace element" is used repeatedly to refer
14 to small amounts of elements that are present in the
15 diet and in the atmosphere and elsewhere. And in
16 most of those cases, in many of those cases, you can
17 accurately measure the amounts that are there.
18 So it is used often to refer to small
19 amounts of a substance which, if we're talking about
20 its strict definition in an analytical sense, it
21 means something you can detect, cannot accurately
22 quantify.

23 Q. Okay. The way you just described, who
24 uses the term in that way? What group of
25 professionals would use it in that way?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

26

1 A. Toxicologists, analytical chemists,
2 environmental chemists.

3 Q. I'm not talking about the strict
4 definition.

5 A. Oh, virtually anybody involved in
6 environmental health or toxicology or nutrition.

7 Q. Okay. And the use of the word in that
8 manner does not connote an actual percentage level
9 that one would expect to find?

10 A. No.

11 Q. We're still on your list. Any other
12 cases involve benzene exposure, Exhibit 2?

13 A. I believe Salazar Versus Ecolab was a
14 benzene allegation.

15 Q. What was the exposure?

16 A. I believe it was occupational.

17 Q. Did you know what the disease was?

18 A. Chronic myelogenous leukemia.

19 Q. Do you remember what the exposure level
20 was?

21 A. No.

22 Q. Where was that case pending?

23 A. Texas.

24 Q. Do you recall who the attorney was?

25 A. No.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

27

1 Q. Do you know where in Texas?

2 A. No.

3 Q. Was it in the Beaumont area or-

4 A. I don't believe so.

5 Q. Okay. And any other benzene-related
6 cases?

7 A. Taylor Versus Gulf may be a benzene
8 exposure case, but I don't remember anything about
9 it.

10 Q. You just remember that you testified in
11 it?

12 A. I gave a deposition in that case. I
13 don't remember who was involved or what the issues
14 were.

15 Q. Okay.

16 A. Guidry Versus SmithKline, the
17 allegation was benzene exposure.

18 Q. Okay. Have you testified in any other
19 cases for SmithKline Beecham other than Guidry in
20 this case?

21 A. No.

22 Q. Have you been consulted on any others
23 for SmithKline Beecham?

24 A. I believe I have.

25 Q. Do you recall which ones?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

28

1 A. A case called Cavanaugh.

2 Q. Were you retained as an expert in
3 Cavanaugh?

4 A. I believe so, yes.

5 Q. But you didn't give testimony?

6 A. No.

7 Q. Have you ever worked for-- other than
8 those three cases, have you ever done work for
9 SmithKline Beecham before?

10 A. I don't believe so. I don't believe
11 so. Certainly with respect to litigation I don't
12 believe so.

13 Q. You may have done some consulting work
14 with them though?

15 A. I don't think I have. I don't think
16 SmithKline is a pharmaceutical company that I've
17 consulted for.

18 Q. Have you ever done any work for Mr.
19 Whitney's law firm before?

20 A. No.

21 Q. For any lawyers from Mr. Whitney's law
22 firm?

23 A. I don't believe so.

24 Q. Any other benzene cases on the list? I
25 know we're getting towards the end there.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

29

1 A. Lavender Versus Miles.

2 Q. What kind of exposure was that?

3 A. it's occupational exposure.

4 Q. Have you testified in trial in that
5 case?

6 A. No.

7 Q. Is there a trial date set in Lavender?

8 A. No.

9 Q. Do you know what the disease was?

10 A. It's leukemia.

11 Q. What type of leukemia?

12 A. Myelogenous, acute myelogenous.

13 Q. AML?

14 A. I believe so.

15 Q. Is AML considered to be one of the
16 leukemias that is caused by benzene exposure?

17 A. Certain subtypes of AML can be
18 associated with benzene exposure. That's correct.

19 Q. What types of-

20 A. Using the FAB classification, usually
21 you're talking M1, M2.

22 Q. What is M1 and M2?

23 A. M1 would be acute myelodysplastic

24 leukemia. M2 would be acute myelodysplastic leukemia

25 with maturation. M4 would be myelomonocytic. M5,

AVERY/WOODS REPORTING SERVICE, INC, (303) 825-6119

30

1 rarely, if at all, would be monocytic. M6 is
2 erythroleukemia that has been associated with benzene
3 exposure. M7 is basically, in the older terminology,
4 a myelofibrosis.

5 The information available on
6 myelofibrosis is so scant one cannot say one way or
7 the other, not only the morphologic subtype but also
8 the cytogenetic subreport in evaluating the potential
9 for causation associated with benzene, especially
10 when you don't have-- when you either have evidence
11 that does not suggest exposure or you have virtually
12 no evidence of exposure.

13 Q. In what way are they cytogenetic?

14 A. (No audible response.)

15 Q. In what way are these cytogenetics
16 important in an analysis of whether AML is caused by
17 benzene exposure?

18 A. Secondary leukemias-- by that I mean
19 leukemias that are the result of exposure to
20 chemotherapeutic agents, radiation, or cohorts of
21 which the only known cause is benzene present with a
22 different pattern of distribution of abnormalities
23 than one sees in de novo leukemias or those arising
24 spontaneously with no previous history of exposure to
25 benzene or chemotherapeutic agents.

EVERY/WOODS REPORTING SERVICE, INC. (303", 825-6119

31

1 Q. Are these cytogenetic abnormalities,
2 chemotherapy, and benzene similar?

3 A. Yes. Yes, they are. Their use with
4 respect to evocation is based upon differences in the
5 pattern or incidence of their involvement in
6 different leukemias that we're discussing. For
7 example, if one looks at demonstrably clonal
8 chromosomal abnormalities arising in AML, slightly
9 over half of primary AMLs, de novo AMLs, will possess
10 cytogenetic abnormality. Arguably, ninety-five
11 percent or greater of secondary AMLs will possess an
12 abnormality.

13 Q. So if, in fact, you have an AML, is the
14 issue-- is it more likely than not that that AML is
15 secondary or primary?

16 A. If there are no clonal chromosomal
17 aberrations associated with it, it is more probable
18 than not that it is a primary.

19 Q. The primary being non-exposure cases?

20 A. Yes.

21 Q. And in ninety-five percent of the
22 exposure cases you're finding chromosomal
23 abnormalities?

24 A. (Indicating.)

25 Q. Are these non-random abnormalities?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

32

1 A. They tend to cluster to some extent,
2 actually to a great extent.

3 Q. Where do they cluster?

4 A. Secondary leukemias, secondary AMLs
5 associated with chemotherapy and with occupational
6 exposure, show a very high predisposition for
7 involvement in chromosomes 5 and/or 7. There are
8 also others that are seen, but they're seen with
9 equal frequency in both primary and secondaries.

10 Q. So primarily, when you do an analysis
11 of this, you're looking for cytogenetic abnormalities
12 surrounding chromosome 5 and/or 7?

13 A. Five or 7 certainly. Certainly 5 or 7
14 are seen together to a much greater extent in
15 secondary AMLs than they are in primaries. In
16 secondary AMLs they constitute together about-- it
17 depends on the study, but somewhere between eighty
18 and ninety percent of the secondary AMLs will involve
19 5 and/or 7 together. That's opposed to about
20 fifteen-- ten to fifteen percent of primaries. So it
21 is found with less frequency in primaries than
22 secondaries.

23 Q. Seems like a pretty large difference?

24 A. It's a fairly large difference.

25 Q. Are the abnormalities you find-- what

AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

33

1 abnormalities do you find in 5 or 7 in these types of
2 cases?

3 A. Seven usually-- one either finds the
4 loss of all or part of the chromosomes or both of
5 them, one set. You almost never-- well, it would be
6 incompatible with the life of a cell to lack both
7 chromosomes, both 5's or both 7's, but one will see
8 loss of 5, loss of 7, 5q-, which means loss of the
9 long arm of 5, or 7q-, loss of the long arm of 7. In
10 the case of 5, it's almost always an interstitial
11 lesion if it's a loss of part of the chromosome.

12 Q. "Interstitial" meaning part of the long
13 arm?

14 A. Yes. A specific region of the long arm.

15 Q. Which region is usually found?

16 A. 5q31 is the region that appears to be
17 missing in the vast majority of cases.

18 Q. 5q31; 31 is a specific point?

19 A. Yes.

20 Q. On the arm?

21 A. Yes.

22 Q. And the range of deletion encompasses
23 that point; is that-

24 A. Yes.

25 Q. Is there a range of deletion that you

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

34

1 find?

2 A. Oh, there's a wide range. One of the
3 manuscripts I brought of mine reviews some of the
4 recent studies conducted at the University of Chicago
5 on that. One sees varying deletions from one case of
6 AML to another.

7 But, if one looks at where they
8 overlap, they overlap specifically in the region of
9 5q31. So that is the area that is deleted in
10 virtually every case. But the range of deletions
11 would vary depending on the individual.

12 Q. If you saw an individual with one of
13 these deletions you've just described with AML, and
14 there was evidence of benzene exposure, would your
15 opinion, given those simple parameters, be more
16 probably than not that it was caused by the benzene
17 exposure?

18 A. If there was evidence of significant
19 chronic benzene exposure, and you have an AML that
20 with respect to its presentation and criteria fits
21 the category for a secondary AML, the presence of
22 clonal lesion of 5q or 5- would provide fairly strong
23 evidence that there was a potential relationship.
24 Basically what it says is that, if you
25 have the parameters necessary to establish a link
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

35

1 between exposure to high levels of benzene or any
2 other chemotherapeutic agent and AML that evolves
3 with lesions that include 5, that is a pattern that
4 you expect to see in the secondary leukemia.

5 Q. The leukemias, you've mentioned the
6 subtypes of AML as being evidence that they're caused
7 by benzene exposure or that they can be caused by
8 benzene exposure. Is that evidence primarily
9 epidemiological studies?

10 A. The evidence associated with secondary
11 leukemia transcends the epidemiology that we have
12 available on benzene per se. Certainly there are
13 some collections of case reports and clinical
14 presentations that provide description of the types
15 of leukemias that have been seen in benzene.
16 Although the studies aren't quantitative, they are
17 generally consistent with what's been seen in the
18 quantitative retrospective epidemiology that we have
19 on benzene and in the cell specific epidemiology that
20 we have associated with benzene.

21 In addition to that, and I think just
22 as important, we have a much larger literature that
23 pertains to secondary leukemia associated with
24 chemotherapy, in which we have a much larger base of
25 information to characterize the biology of secondary
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

36

1 leukemias. Since leukemias are similar, if not
2 identical, it provides a useful data base and
3 literature for studying mechanisms of secondary
4 leukemogenesis.

5 Q. The leukemias are similar, if not
6 identical, in chemotherapy-related or treated
7 leukemia?

8 A. Yes.

9 Q. And mutagenic or benzene-caused
10 leukemia?

11 A. Yes.

12 Q. Correction. We won't use the mutagenic
13 part of the question. We'll just leave it at
14 benzene.

15 A. Benzene is not a mutagen. If what
16 you're asking me is does benzene-induced leukemia fit
17 a pattern that's consistent with what we see as
18 alkylating agents, the answer is certainly. From
19 what we know today the answer is yes. There are
20 still some questions remaining to be answered that
21 really relate to the weakness of the occupational
22 literature in relation to benzene exposure, but in
23 general it fits certainly and is consistent with what
24 we see following chemotherapy.

25 Q. Would you consider the lesions in both
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

37

1 instances to be similar?

2 A. Certainly with respect to the molecular
3 lesions that are seen, yes.

4 Q. When you say "molecular," do you mean
5 the lesions occurring in the stem cell?

6 A. Lesions associated with chromosomes and
7 with the genes that are involved and the processes
8 that are involved that are associated with the
9 regulation of growth and differentiation in blood
10 cells.

11 Q. That would be the same for both types
12 of the disease process?

13 A. I believe the literature supports that,
14 and certainly our own research supports that.

15 Q. Okay. The epidemiology that you're
16 referring to, I guess you were indicating that there
17 are case reports, clinical reports, in addition to
18 the epidemiological reports which all support the
19 notion that AML and the variants that you've
20 identified can be caused by benzene exposure. Is
21 that-

22 A. In general, yes. The specific variants
23 that are associated with secondary AML and
24 chemotherapy are much better defined in the sense
25 that we have many more cases. That is consistent
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

38

1 with what I've just described. In general, what's
2 seen in occupationally exposed populations with
3 benzene is consistent with that.

4 Q. Are you referring to the
5 epidemiological reports?

6 A. I'm referring to both. The
7 epidemiological reports, as I mentioned before, do
8 not tend to provide you with as much detail on the
9 FAB subtypes as do the clinical or the case
10 collections.

11 Q. Why is that?

12 A. Well, the epidemiologists have tended to
13 lump things as opposed to separate them, primarily
14 because it increases the power of their studies. If
15 one is interested in causation hematology or biology,
16 lumping is a very frustrating and uninformative
17 behavior, because you can't distinguish one tumor
18 type from another.

19 Recently, studies are attempting to
20 analyze these data sets using specific information.
21 That information allows us to look at specific
22 leukemia type. We do not have quantitative
23 information from epidemiological studies that allows
24 us to go through the various subtypes and distinguish
25 one from another with that degree of resolution.

VERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

39

1 Q. Is that partially due to the fact that
2 most of these epidemiological studies are mortality
3 studies?

4 A. The only-- I would say that question
5 is-- if I answer yes to that, it's overly broad. One
6 characteristic of mortality studies is that you're
7 dealing with death certificates, which are obviously
8 a fairly-- they're certainly-- they're reliable in
9 the sense that they deal with mortality and you have
10 a very specific input. Where they're not generally
11 reliable is for a diagnosis at a level such as we're
12 talking about. You're not often going to find a
13 death certificate that says AML, FAB subtype M2.
14 You'll see-- if you're lucky, it'll say AML. If
15 you're not, it'll say leukemia.
16 So you are correct in assuming that an
17 epidemiological study that uses mortality can often
18 distinguish a leukemia class such as a CML or an AML,
19 where it may be less useful in distinguishing the
20 incidence of subtypes. That's where, as I said
21 before, some of the collected case information is
22 useful, even if it's not quantitative, because it
23 gives you some idea of what the prevailing types are
24 that have been seen.

25 And one can also look at, as I said,
AVERY/WOODS REPORTING- SERVICE, INC. (303) 825-5119

40

1 the literature on secondary leukemias associated with
2 chemotherapy, where you see a very strong and
3 consistent patter, and it's consistent with what we
4 have seen with respect to benzene.

5 Q. The death certificates also, I take it,
6 would not show any kind of preexisting bone marrow
7 toxicity condition that preexisted the AML or
8 leukemias?

9 A. Not-- not necessarily. Not usually.

10 You may have a secondary condition that's listed on a
11 death certificate, but that's not always the case.

12 Q. Are most secondary leukemias preceded
13 by a myelodysplastic syndrome?

14 A. Myelodysplastic syndrome is a frequent
15 precursor to the frank development or clinical
16 development of AML secondary to exposure to
17 chemotherapeutic agents or benzene. Probably,
18 depending on the study, myelodysplastic syndrome
19 which used to go by the old rubric "preleukemia,"
20 preleukemia is included in that broader definition of
21 myelodysplastic syndrome, forty to sixty percent,
22 depending upon the study. Some people think it's
23 higher. So when you say the majority of cases, I
24 would say probably the majority of cases.

25 Q. Is it your opinion that virtually all

VERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

41

1 the cases of benzene-induced AML are preceded by a
2 myelodysplastic syndrome if the data is available on
3 those cases?

4 A. I would not be at all surprised to find
5 the vast majority to have evidence of trilineage
6 dysplasia, certainly some preceded by
7 thrombocytopenia or lymphocytopenia leading to a
8 trilineage dysplasia, and that evolving into frank
9 AML, and that falls into the broader context of
10 myelodysplastic syndrome. I would not be surprised
11 at all to see that as the principal pattern that you
12 would see in a benzene-induced leukemia.

13 Q. Were you saying trilineage?

14 A. Yes.

15 Q. What did you mean by that?

16 A. Involvement of at least three of the
17 major lineages of blood cells, which is one of the
18 criteria that one often sees.

19 Myelodysplastic syndrome is a
20 relatively new term in that it became an officially
21 accepted rubric, if you will, in the early '80s to
22 cover a wide range of phenomena, some of which are
23 related, some of which aren't, that are associated
24 with dysplasias in the blood, blood-forming organs.
25 Trilineage dysplasia is one of the

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

42

1 criteria that is often used to describe a persistent
2 myelodysplasia associated with preleukemia, and it's
3 included within the definition of myelodysplastic
4 syndrome for some of the categories.

5 Q. I take it then that the three major
6 blood cells would be the platelets, white blood
7 cells, and red blood cells?

8 A. There are certainly different lineages
9 of cells, and you can break them down into different
10 categories depending on what you're interested in.

11 From the standpoint of the lineages
12 that are involved when we talk about a trilineage
13 dysplasia, we're talking about red cells, we're
14 talking about platelets, we're talking about
15 granulocytes, we're talking about lymphocytes. Those
16 would be the major cell types that would be involved
17 when we are talking about a trilineage dysplasia.

18 Q. Granulocytes and lymphocytes are white
19 blood cells; is that right?

20 A. Yes.

21 Q. When you were discussing the q
22 associated with AMLs, the leukemias, as being an
23 indicator of potential benzene exposure for toxicity,
24 is that something that you've learned through
25 literature review?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

43

1 A. I wouldn't call it an indicator of
2 benzene toxicity. It is certainly a frequently
3 observed abnormality in the context of secondary
4 leukemogenesis and myelodysplastic syndrome that's
5 associated with secondary leukemogenesis.

6 Q. Is that supported by the literature?

7 A. It's supported by the literature in
8 secondary leukemogenesis, associated chemotherapy.
9 There is no direct evidence that in fact benzene
10 directly is associated with it, but I believe it to
11 be so. In an occupational setting where you have
12 exposure to solvents where benzene is the only
13 leukemogen, you do see an increased frequency of 5q
14 and leukemias that evolve in those individuals.

15 Q. When you said "direct evidence," what
16 would that constitute?

17 A. Where benzene was, as part of the
18 study, reliably demonstrated to be what the
19 exposure-- what the agent was. In other words,
20 studies that have looked at the involvement of
21 cytogenetic aberrations in secondary AML tend to have
22 fairly weak exposure characterization and use
23 occupation or questionnaires as a surrogate for any
24 information on what the exposure really was.

25 Basically the weakest exposure data is
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

44

1 associated with studies that have the strongest
2 cytogenetic information, whereas the stronger data is
3 associated with studies that don't. So you can argue
4 that the evidence for a direct role of benzene in 5q
5 associated AML isn't there, but I do believe that it
6 is. And certainly other experimental studies denote
7 that benzene metabolites are capable of inducing
8 aberration as well as several others.

9 Q. And your opinion is based on your own
10 experimental studies?

11 A. To a certain degree, yes.

12 Q. And any other studies that your opinion
13 is based on?

14 A. Yes. Those studies I mentioned with
15 respect to solvent exposure and secondary AML as well
16 as the prevailing pattern that's seen in the
17 chemotherapeutic literature associated with secondary
18 AML.

19 Q. Did you bring those articles with you
20 today?

21 A. Some of them I did, yes.

22 Q. Which ones did you bring that support
23 that view?

24 A. (No audible response.)

25 Q. Are these articles listed on your--

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

45

1 A. They're listed in-- I have a reference
2 list for the articles that I brought today.

3 Q. Okay.

4 A. Probably the most expeditious way of
5 doing this would be to look at two manuscripts I
6 brought with me that I recently authored, because
7 they summarize that data.

8 MR. WILLIAMS: Okay. Why don't we do
9 this-- I need to make a quick phone call-- take a
10 quick break.

11 (Whereupon, at 11:09 a.m., a recess was
12 taken.)

13 A. The vast majority of articles I brought
14 today deal with 5q- syndrome, which is a totally
15 separate entity than what we've been talking about.
16 And so that's what most of them deal with.

17 There are a couple of articles I have
18 written recently that summarize the secondary
19 myelodysplastic syndrome and leukemia literature, and
20 those are in here as well, and there are extensive
21 summaries of that literature base. I did not bring
22 all that literature, because I-- my opinion deals
23 with the issue of 5q- syndrome and not secondary
24 leukemia in this case.

25 The two articles that summarize this
AVERY/WOODS REPORTING SERVICE, INC. !303) 325-6119

46

1 information: in detail are one that's entitled "The
2 Process of Leukemogenesis" that's in press in
3 Environmental Health Perspectives that I wrote.

4 MR. WHITNEY: Just so the record is
5 clear, we might refer to the article, tab 19.

6 THE DEPONENT: It's tab 19. The other
7 is tab 20, which is a draft of a book chapter that
8 I've completed, again is Leukemogenesis As a Toxic
9 Response. And those summarize the literature both
10 for benzene and for chemotherapeutic agents.

11 Q. (BY MR. WILLIAMS) And those would be
12 responsive to my question about literature-- about
13 benzene causing abnormalities of the fifth
14 chromosome?

15 A. That can be and that is associated with
16 leukemias. Some of the leukemias that are found
17 follow exposure to chemotherapeutic agents or
18 benzene.

19 Q. Those are the two articles you just
20 cited?

21 A. Yes.

22 MR. WILLIAMS: Let me look quickly
23 through your book here.

24 THE DEPONENT: There is a bibliography
25 in the front.

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

47

1 MR. WILLIAMS: Okay.

2 (Whereupon, there was discussion
3 outside the record.)

4 Q. (BY MR. WILLIAMS) I want to mark the
5 whole book as an exhibit, which would be Exhibit 3.
6 In Exhibit 3 there's a reference list of cases. Are
7 these the cases you're-- or the articles-- are these
8 the articles you rely on for your opinions in this
9 case?

10 A. As they are specifically directed to
11 issues that I will present in this case, yes.
12 There's a much larger literature base that I could or
13 will rely upon that deals with other issues related
14 to leukemogenesis, if in fact those particular
15 questions are raised. This is what I had provided in
16 support of my report and my opinions as I understand
17 the issues to be in this case.

18 Q. Okay. I want to ask you some questions
19 about benzene and how it affects bone marrow. Do you
20 agree that benzene causes bone marrow toxicity?

21 A. Benzene can cause bone marrow toxicity
22 if an individual is exposed to high enough
23 concentrations for a long enough period of time.

24 Q. What is bone marrow toxicity?

25 A. Bone marrow is the major organ

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

48

1 associated with production of blood. That includes
2 most of the white cells, certainly the immature -forms
3 of all white cells, red cells, platelets. And
4 toxicity to the bone marrow involves damage to the
5 blood forming cells, either acute or subacute. That
6 means transient damage, reversible damage, or
7 irreversible damage, if in fact the insult is severe
8 enough.

9 That leads to a decrease-- that can
10 lead, in simple terms, to abnormalities in the
11 production of blood cells, a decrease in various
12 types of blood cell formation that results from
13 altered regulation of growth in the bone marrow, or
14 can lead to increase in proliferation in the
15 production of abnormal types of blood cells, as you
16 see in leukemias.

17 Q. How does the lesion occur which causes
18 these various effects?

19 A. (No audible response.)

20 Q. Does a lesion occur?

21 A. Yes. Benzene in and of itself is not
22 toxic to bone marrow. It has to be metabolized.
23 Metabolism is a process of changing it
24 chemically into other compounds. The body has a very
25 extensive system for dealing with chemicals, because
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

49

1 we live in a world of chemicals. Food is made up of
2 chemicals. We're exposed to chemicals every day. And
3 we normally process these, and the body has a means
4 of dealing with them. The overall goal is to
5 eliminate them.
6 We metabolize compounds or change them
7 into other compounds. Usually the strategy that's
8 employed is to make them more soluble so we can
9 excrete them. In the process of doing that, the body
10 converts them to benzene, to phenol, and to
11 polyphenolic molecules, hydroquinone being one of the
12 major polyphenolic molecules.
13 In combination, these molecules, if
14 you're exposed to benzene in high enough
15 concentrations for long enough periods of time, leads
16 to the production of these molecules and their
17 distribution to bone marrow, where they're capable of
18 producing damage to replicating cells primarily.
19 We know that benzene metabolites target
20 cells that are dividing. And the major effects that
21 these compounds have is in altering the process of
22 division and the mechanics of how the cells come
23 apart.
24 There are other changes that also occur
25 that have recently been identified that suggest this

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

50

1 a characteristic of a variety of compounds, including
2 benzene metabolites, is that they alter regulation of
3 differentiation in the stem cell compartments, and
4 therefore make these cells more susceptible to the
5 types of damage that undergo a division.

6 Q. Is it your view that it affects the
7 cell differentiation, the benzene metabolites?

8 A. It's certainly my view. There's
9 demonstrable evidence, both from my standpoint as
10 well as others, to indicate that that's the case.
11 The precise nature of the mechanism of that is not
12 completely understood, and we're rapidly studying it
13 now.

14 Q. Did you bring the hematology study as
15 part of the notebook? And you can identify it by tab
16 number, if you would.

17 A. Some of these studies speak to the
18 issue. A review of it is provided, a simplified
19 review is provided in number 1, dealing with
20 metabolism per se.

21 The role of metabolism is very
22 important in benzene toxicity, and it's requirement
23 in benzene Toxicity is a generally accepted tenet of
24 the field. Tabs 20, 21, 22, 23, 24, 25 deal
25 variously with benzene and the effects of
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

51

1 metabolism. They also reference other papers that
2 deal with the specific mechanisms that I've been
3 discussing.

4 I've recently proposed, for the sake of
5 generating research and discussion, a model, one
6 model for a proposed mechanism for the development of
7 leukemia associated with benzene exposure. And
8 that's summarized in 19 and 20.

9 Q. Are these the two other articles, that
10 you had mentioned?

11 A. Yes.

12 Q. These are the ones going to press now?

13 A. Yes.

14 Q. Just a quick aside. Are these
15 articles, all the other articles that have been
16 published, are they all peer reviewed articles?

17 A. Yes.

18 Q. Is peer review an important concept in
19 evaluating an article?

20 A. Yes. It's not the be all and end all,
21 but it's a very important concept in evaluating a
22 priori the value of an article with respect to being
23 able to rely on its contents.

24 Q. What does peer review mean?

25 A. Peer review is the process of

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

52

1 independent review of the information design,
2 experimentation design, and interpretations proposed
3 in the paper by colleagues that is a part of the
4 publication process that scientific journals require
5 for publishing an article in a given journal.

6 So, if you submit a paper which
7 represents the product of research or evaluation of
8 data to a journal, the editors of the journal will
9 submit it to independent reviewers to ascertain
10 whether or not the collection of data, experimental
11 design, and scientific interpretation of the data
12 that's presented is, in fact, appropriate and valid.
13 And that's one of the major processes for determining
14 suitability for publication.

15 Q. Have the items at tab number 19 and 20
16 been peer reviewed yet?

17 A. Yes. Yes. When I say "in press," that
18 means that they've been accepted for publication.
19 Nineteen is a strict peer reviewed
20 article. Twenty is a book chapter.

21 I am presenting it primarily because it
22 summarizes a lot of the information that we were
23 talking about earlier that I haven't provided. It's
24 been peer reviewed to the extent that it's been
25 reviewed independently by an editor who has deemed it
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

53

1 acceptable. It has not received-- it is a book
2 chapter. It does not have the same weight with
3 respect to peer review as the other individual
4 articles do.

5 The book chapter is useful for
6 summarizing a great deal of information and for
7 obtaining some idea as to what the state of art in a
8 given field is, if you want to determine that. If
9 you want to rely on something for reaching a
10 scientific opinion, it's much more preferable to then
11 go to the primary literature on which the chapter is
12 based and review it yourself to determine whether or
13 not the information that is summarized is in fact-
14 conclusions of the author are valid. So book
15 chapters are useful. But they are secondary sources
16 of information, not primary.

17 Q. Okay. Back to the discussion we were
18 having on metabolism. Is there more than one step
19 involved in metabolism?

20 A. (No audible response.)

21 Q. Does metabolism occur more than once
22 with regard to benzene?

23 A. Yes. Yes. Primary metabolism occurs
24 in the liver. That results in the production of
25 phenol. It results in the secondary production of
AVERY/WOODS REPORTING SERVICE, INC: (303) 825-6119

54

1 hydroquinone, some polyphenolic molecules. These are
2 then subjected to further metabolism in different
3 organs.

4 In bone marrow, myself and my
5 laboratory and others have demonstrated, as a
6 characteristic of the cells, that cells that are the
7 precursors for development of acute myelogenous
8 leukemia, the leukoprogenitor cells, have a
9 particular pattern of metabolic capability that makes
10 them susceptible to further toxicity by being able to
11 further metabolize in particular the quinones to
12 toxic molecules that are capable of interfering with
13 cell division by binding to microtubules and the
14 spindles that are associated with pulling the
15 chromosomes apart during division.

16 Q. Is there a-- what occurs in bone marrow
17 if there is an interruption of cell differentiation
18 by the benzene metabolites?

19 A. Benzene metabolites appear to
20 facilitate or increase differentiation down the myelo
21 pathway, and what we think this is associated with is
22 an increase in proliferation in the compartment of
23 cells that are, in fact, the ultimate targets for the
24 development of acute myelogenous leukemia. And
25 anything that increases replication in a target cell
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

55

1 population presents a potential risk with respect to
2 a permanent genetic alteration, in this case
3 aneuploidy.

4 The first evidence that has been
5 associated with the evolution of leukemogenesis and
6 secondary leukemia involves the loss of all or part
7 of a chromosome. That's the first permanent event.
8 It's not the first event in the process. There are
9 then any number of subsequent events that have to
10 occur that are independent before even one gets to
11 either myelodysplastic syndrome or to leukemia. But
12 it's certainly the first event that has been
13 documented to occur.

14 Q. Aneuploidy?

15 A. Yes.

16 Q. Is there a clonal aberration that
17 occurs in the metabolic process?

18 A. The metabolic process can lead to a
19 clonal aberration. That is basically the premise on
20 which the model that we proposed is based. As I
21 said, if you look at what benzene metabolites do,
22 they are very efficient at disrupting the mechanism
23 that's evolved in the separation of chromosomes.
24 They're very inefficient at acting on DNA. But
25 they're very efficient at the process of disrupting
AVLRY/WOODS REPORTING SERVICE, INC. (303) 825-6119

56

1 the proteins that are involved in the separation. of
2 chromosomes during division.

3 Q. What is the clonal aberration? Can you
4 define what that is?

5 A. The first clonal aberration that's been
6 associated with secondary leukemias appears to be
7 either the loss of all or part of chromosome 5 and/or
8 7. One certainly can't exclude 8, trisomy 8, which
9 is basically an addition of a chromosome. All are
10 included under the rubric of aneuploidy. This
11 appears to be the earliest event. It could best be
12 described as necessary but not sufficient for the
13 evolution of leukemia and is only the first stage in
14 the process.

15 I have proposed a model which, as I
16 said before, I think is architecturally sound, but
17 would probably be subjected to further modification
18 as we learn more, that I proposed last year. That's
19 associated with -- it's in this paper. And I chose 5
20 in this model, primarily because we know about genes
21 that are associated with 5. Seven is more frequently
22 involved probably. But if one is-

23 Q. Are these growth genes that are
24 associated with chromosome 5?

25 A. Yes. There are various hypotheses for

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

57

1 what is associated with that lesion. I happen to
2 think that the growth genes are probably fairly
3 important, the cytokine genes, with respect to the
4 process that they involve. But there are other
5 hypotheses that have been promulgated as well. This
6 is the model I put forward.

7 Q. We're looking at page 27 of tab 19?

8 A. Right. I have to say this basically
9 represents a hypothesis based upon facts that are
10 known with respect to the evolution and process
11 that's involved in the induction of AML secondary to
12 chemotherapy primarily. But, as I said before, we do
13 know that benzene metabolites can produce some of the
14 same effects. We're looking at a progression.
15 What this illustrates is a process
16 that's now fairly well known in cancer biology, and
17 that is that, for the vast majority of cancers,
18 there's not a single event that's associated with the
19 development. There are multiple independent events.
20 There are multiple genetic pathways that can get you
21 to the same place.

22 For example, if we look at-- we take
23 chromosome 5, for example, chromosome 5 has been
24 implicated as being involved in colon cancer as
25 well. Now, that has no relationship to AML, but does
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

58

1 play an early role in the evolution of that disease.
2 But, again, multiple independent genetic steps, some
3 of them are genetic, some of them are epigenetic that
4 have to occur before you get to the development of
5 cancer.

6 Q. Well, on page 24, this process leads to
7 carcinoma, and it is a 5q loss. Is that something
8 you consider to be induced by the chemotherapy or
9 benzene?

10 A. No. No.

11 Q. Is there a presumed cause of the loss
12 in this model on page 24?

13 A. Cell division.

14 Q. Cell division?

15 A. Cell division is an inherently risky
16 process. That's where aneuploidy occurs. In bone
17 marrow we know, for instance, that ineffective
18 erythropoiesis accounts for upwards of twelve to
19 fifteen percent of the replicating blood cells in the
20 bone marrow. During normal replication of red cells
21 we have aneuploidy occurring. Most of the time it
22 occurs in cells that are irrevocably committed to
23 differentiation. The worst thing that can happen is
24 they die, they disappear.

25 If it happens in an earlier cell that's

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

59

1 capable of clonality or clonogenic potential, then
2 you may, in fact, have a spontaneous lesion occurring
3 that leads to chromosomal-aberration such as 5q-.
4 These are stochastic, random events. These are
5 stochastic, random events even during the process of
6 chemical leukemogenesis.
7 There's a constellation of several
8 types that can produce or increase the potential for
9 that type of injury, increase the frequency of those
10 events. The events are still random. It's that
11 their frequency has been increased in the case of
12 colon cancer.
13 There's no-- with respect to the
14 involvement of these molecules, this is the result of
15 studies done by a number of investigators, this
16 particular model that has been published by Harold
17 Varmus and Robert Weinberg, based upon some very fine
18 work by a variety of different investigators. It
19 simply shows the fact that there are multiple ways to
20 get to the same place, and there are multiple
21 pathways involved. Some genes occur-- are involved
22 early in the progression of the disease. Some genes
23 occur later.
24 And the model that I proposed, a
25 working formulation for secondary leukemia, is
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

60

1 architecturally similar.

2 Q. Back to page 27. You indicated earlier
3 that the-- are there-- do the aberrations caused by
4 benzene metabolites affect all the blood lineages?

5 A. If you're talk-- well, certainly the
6 information we have on benzene and bone marrow
7 toxicity does not suggest that it has a random effect
8 on all lineages. For example, one of the papers I
9 presented by Leon Goldwater and Greenburg from the
10 '40s, I think, illustrates a finding that's
11 consistently seen throughout the literature with
12 respect to benzene and is actually seen to be
13 consistent in animal studies as well. Chronic
14 exposures to high concentrations of benzene can
15 produce bone marrow suppression. But what one
16 eventually sees is a thrombocytopenia, decrease in
17 platelets, or a lymphocytopenia that occurs that
18 precede any permanent effects and are associated with
19 high-level, repeated exposure, chronic exposure. You
20 may see some changes associated with anemia, but
21 those usually actually follow thrombocytopenia or
22 lymphocytopenia.

23 Curiously enough, the granulocytes are
24 usually well resolved in benzene, and one usually
25 sees a decrease in lymphocytes and a decrease in
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

61

1 platelets without a marked effect on granulocytes
2 until fairly late in bone marrow suppression or
3 toxicity. You can resolve that in aplastic anemia,
4 in which you have a generalized inability to produce
5 anything. But usually what you see early on in
6 benzene toxicity involves lymphocytes and platelets
7 first, and granulocytes are reduced much later in the
8 process.

9 Q. And would you also see refractory
10 anemia in such a process?

11 A. I couldn't characterize it as
12 refractory anemia, certain not RA as it's found in
13 the FAB classification. You usually see a trilineage
14 dysplasia. You usually see-- you may see some
15 aspects of a macrocytic anemia, but it's certainly
16 not the first thing you see. And you usually see
17 trilineage involvement. You don't see a classic
18 refractory anemia as defined by the FAB
19 classification.

20 Q. Would that be one of the things you
21 might, see?

22 A. Well, a refractory anemia has certain
23 characteristics that are included within the
24 constellation of findings that I'm talking about.
25 And refractory anemia in and of itself would not be a
AVERY/WOODS REPORTIN.S' SERVICE, INC. (3--3) 825-6119

62

1 pattern that you would expect to see consistent with
2 benzene exposure. You usually see, as I said,
3 multiple lineage involvement.

4 Q. By that you mean reduction of red blood
5 cells, white blood cells, and platelets, for example?

6 A. Not all white blood cells. I would
7 expect to see a sparing of granulocytes, for
8 instance. Refractory anemia is not consistent with
9 the early effects associated with benzene poisoning.
10 There are aspects of refractory anemia that are
11 seen.

12 Q. Which aspects are seen?

13 A. One can see or it certainly has been
14 reported that one can see macrocytic anemia, but this
15 usually follows reduction in platelets and
16 lymphocytes. Those are usually the most sensitive
17 cell populations in humans.

18 Q. What study says that, that the
19 macrocytic anemia follows a reduction in platelets
20 and lymphocytes?

21 A. There are a number of experimental
22 studies that suggest that. I reported that in the
23 early '80's. The Goldwater/Greenburg work looking at
24 industrial workers in New York in the late '30's, the
25 mid to late '30's, suggests an absolute
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

63

1 lymphocytopenia.

2 Q. What are some of the other effects of
3 benzene toxicity?

4 A. (No audible response.)

5 Q. Would pancytopenia be an effect?

6 A. Sure. Pancytopenia is basically one of
7 the diagnostic criteria in the diagnosis of aplastic
8 anemia.

9 Q. And would leukopenia?

10 A. Leukopenia simply represents a decrease
11 in circulating white cells. Leukopenia is by
12 definition something you'd see associated with
13 pancytopenia. What's important is the cell types
14 involved and the degree of suppression one sees.
15 Leukopenia will occur in a number of
16 situations that don't necessarily have to represent
17 persistent injury or toxicity to the bone marrow per
18 se.

19 Q. And one would also expect to see
20 cytopenias?

21 A. Same thing.

22 Q. And the ones we've just been
23 discussing?

24 A. Cytopenia refers to a specific cell
25 type.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

64

1 Q. How long has it been known that benzene
2 causes those effects?

3 A. Certainly following high-level exposure
4 to benzene it's been generally understood since the
5 late '30s, early '40s.

6 Q. Was it postulated prior to that, as
7 early as the 1890s?

8 A. Yes, following high-level exposure to
9 benzene. But at the particular point in time where
10 those studies were done we don't know what the cells
11 were.

12 Q. What other effects would you expect to
13 see that we haven't discussed yet?

14 A. With persistent exposure you're going
15 to see either a hyperplastic or a hypoplastic bone
16 marrow.

17 Q. A hypercellular bone marrow?

18 A. Either hypercellular or hypo,
19 reduction.

20 Q. Okay.

21 A. If, in fact, one proceeds on to what
22 is-- this is usually after many, many years
23 subsequent to initial exposure, many years-- you may,
24 in fact, see that type of presentation. If you do,
25 and it proceeds on to a persistent abnormality,
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

65

1 trilineage abnormality, that would be classified
2 certainly within the context of myelodysplastic
3 syndrome. Within a pattern of a few months to a year
4 or so, you have a very high likelihood it'll proceed
5 on to the development of acute myelodysplastic
6 leukemia or myeloleukemia, AML.

7 Q. And you mentioned thrombocytopenia as
8 something that would be caused by benzene toxicity?

9 A. Yes.

10 Q. Which refers to low platelets?

11 A. Very low platelets. And that can be
12 seen transiently. That can be a transient effect
13 associated with repeated exposure to high
14 concentrations over a short period of time and may be
15 totally reversible, or it could also be consistent
16 with multi-lineage involvement and a persistent
17 involvement that continues after exposure ceases.
18 These characteristics are not unique to
19 benzene in many respects. They're found with agents
20 that are toxic to bone marrow, the greatest-- the
21 most potent of which are among agents that are
22 routinely used in chemotherapy.

23 Q. And I take it MDS is also something
24 that's caused by one of the effects of benzene
25 toxicity?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

66

1 A. Myelodysplastic syndrome is part of the
2 continuum that's seen associated w_h the development
3 of leukemia secondary to exposure =c benzene or other
4 leukogenic agents. In that context it is part and
5 parcel of the process. But that's a small fraction
6 of the total dysplasias that are seen that are
7 classified within the rubric of myelodysplastic
8 syndrome.

9 Q. I'm sorry. What is a small portion of
10 that?

11 A. Most diseases that are associated with
12 myelodysplastic syndrome are primary, spontaneous.
13 They have a different prognosis, different
14 characteristics. But it certainly is part of the
15 process that's associated with the development of
16 secondary leukemia.

17 Q. Essentially then, word you say that
18 these various manifestations of the disease process
19 are united by functional abnormalities in the growth
20 in the blood precursor cells?

21 A. Yes, they are. To the extent-- and one
22 can-- if one looks at the potential for evolution of
23 abnormality or for the evolution of disease, they
24 appear to be characterized as a part of that process
25 as well. They have often the potential for further
AVERY/WOODS REPORTING SERVICE, I~:-. (303 325-6119

67

1 development and evolution of myelodysplastic syndrome
2 into AML, and to progress and to transform is a
3 function of the number of abnormalities that are
4 seen.

5 There's a very high frequency of
6 transformation in myelodysplastic syndrome into AML
7 in individuals that have more than one abnormality,
8 very low frequency of transformation in individuals
9 who only have a single abnormality. Secondary bone
10 marrow toxicity, the evolution of AML tend to involve
11 multiple channels, which is comparable to-- which is
12 basically mirrored in the model that I showed you.

13 Q. On page 27-

14 A. Yes.

15 Q. -- of tab 19. I believe you have MDS
16 listed in the model?

17 A. Yes.

18 Q. Would you characterize this as being a
19 continuum of the disease process, starting with
20 normal blood production, which is the hemopoiesis,
21 then an insult to the bone marrow; then would that
22 turn the continuum to leukemia?

23 A. In the context of secondary
24 leukemogenesis, it is meant to represent one pathway
25 that is both plausible and probable in the evolution
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

68

1 of secondary AML.

2 Q. I want to make sure I understand the
3 pathway. How many pathways are there?

4 A. Well, as I said before, they are
5 probably multiple. Certainly there is strong
6 evidence to suggest that multiple independent
7 pathways can get you to the same place for a variety
8 of different tumors, including leukemia.

9 Q. Okay.

10 A. The only exception is probably CML,
11 which is probably related to a single early event,
12 although later events in the history and actual
13 history of the disease are probably multiple as
14 well. But for AML there's certainly multiple
15 pathways.

16 Q. This pathway described on page 27, can
17 you describe what that pathway is?

18 A. In general detail I can't. I mean this
19 was presented basically as a straw model for'
20 discussion and to generate experimental approaches to
21 determining the evolution of the disease.

22 Basically what it refers to is that, as
23 I said before, the earliest permanent event involving
24 a change in the genetic material appears to be the
25 association of a loss of chromosomes, all or part of
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

69

1 chromosomes. Seven is most frequently seen.

2 I did not pose a model to 7, because

3 7-- we have a bunch of addresses for genes on 7, but

4 we don't know what they are.

5 Q. So your model is 5q-?

6 A. So I've chosen the model as all of or

7 part of chromosome 5.

8 Q. Let me interrupt you and ask you-- I'm

9 not trying to be rude-- I just want to make sure I

10 fully understand. Earlier you said benzene was not

11 mutagenic, but you're saying that it disrupts the

12 genetic process?

13 A. Benzene metabolites are clastogenic.

14 Q. What does that mean?

15 A. Well, to a large extent-- this is a

16 semantic argument, but to the extent the semantics

17 that are used can be confusing, I think it's

18 important to distinguish between the two.

19 "Clastogenic" means that benzene metabolites, as I

20 have discussed them, are capable of causing

21 chromosomal damage, they can cause a loss of

22 chromosomes, they can cause aneuploidy.

23 What's involved specifically in the

24 interstitial lesion of the segments of the chromosome

25 nobody really understands. But I think that

AVERY/WOODS REPORTING SERVICE, INC. (303? 825-6119

70

1 certainly, as benzene metabolites are capable of
2 inducing aneuploidy and the loss of chromosome 5,
3 whether it's possible to cause interstitial lesions
4 is not that important in understanding the evolution
5 of leukemogenesis.

6 Having said that, the point is that is
7 a separate type of event from the binding of a
8 molecule to DNA, which can lead to a misreading of
9 the DNA code, and that's what we normally think of as
10 a mutation or mutagenesis.

11 In the early days of cancer biology,
12 especially from a regulatory point of view, because
13 we don't know much about cancer at all, regulatory
14 for purposes of standard setting, regulatory policy
15 was to assume that there was no threshold for cancer
16 genes and that they acted through binding through
17 DNA. They caused a mutation. A single molecule of a
18 single strand could bind to DNA, alter the code, and
19 that would cause cancer. That is no longer a
20 generally accepted tenet, and it, in fact, in many
21 cases has been demonstrated to totally misrepresent
22 what we see in the evolution of cancer.

23 The colon model I presented in this
24 model here for AML illustrates that. We're talking
25 about multiple independent events. The first one
AVERY/WOODS REPORTING SERVICE, INC. (30,3) 825-6119

71

1 that we've been able to identify in a variety of
2 diseases has to do with chromosomal loss, not with a
3 point mutation, a so-called mutagenic event.

4 So when I talk about benzene not. being
5 mutagenic, there are two issues here. One, benzene
6 itself isn't mutagenic or clastogenic. It requires
7 metabolites, but beyond that benzene metabolites do
8 not tend to be very effective interacting with DNA,
9 i.e. mutagens, but they're very potent together.
10 They form a very potent capability for disrupting
11 cell division and causing events that can lead to
12 aneuploidy.

13 Q. Would you characterize that as a
14 nondysfunctional event?

15 A. Yes.

16 Q. Which can lead to chromosome breakage?

17 A. Yes. Or causes chromosome loss.

18 Chromosome breakage is another story. We have a lot
19 to learn before we fully understand that.

20 One of the reasons why I say that is,
21 for instance, if you take 5q-, almost all of us, no
22 matter what the age is or whether there's no aging
23 involved and we're talking about spontaneous deletion
24 of part of a chromosome occurring ostensibly as a
25 division accident, you see the interstitial division
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

72

1 at 5q. So the 5q- is not always but almost always an
2 interstitial deletion. Whether that 1--as chemical
3 specificity is totally conjecture.

4 Q. You've been describing the continuum of
5 the disease process?

6 A. Yes.

7 Q. You don't have a lot of the things
8 we've been discussing on here-- maybe you do in
9 broader terms-- but, if I give you a piece of paper,
10 can you draw the continuum of the disease process
11 occurring after a lesion to bone marrow caused by
12 benzene metabolism?

13 A. (No audible response.)

14 Q. Does that make sense?

15 A. No. I'm sorry.

16 Q. Why does that not make sense?

17 A. These are stochastic events.

18 Q. What does that mean?

19 A. They're random. By this model, which
20 again is a model for generation of research and
21 discussion, architecturally it would probably
22 withstand the test of time. But when the individual
23 events on the spectrum end up remaining as they are,
24 the explanation of what they do is going to require a
25 lot more research.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

73

1 So I'm not pretending to tell you that
2 this is how AML occurs. And _ can't draw you a road
3 map that tells you exactly what molecular events are
4 transpiring.
5 We do know that agents that are
6 leukemogens, and that includes benzene metabolites,
7 are capable of altering normal differentiation in the
8 stem cell compartment. Now, ostensibly that
9 alteration leads to increased hypersensitivity-- is a
10 good word-- to growth factors, specifically GMC.
11 Now, that's going to cause an increased
12 number of cells that are responsive to the growth
13 factor and are dividing. That by itself is
14 transient. It's not permanent. It has a window that
15 probably is on the order of hours, during which time
16 you have more cells dividing in the target cell
17 compartment than you would have otherwise.
18 The system has naturally evolved to
19 protect us from that kind of injury. So, for
20 instance, an agent that simply causes chromosomal
21 damage that are compounds that we know will cause
22 bone marrow suppression that can cause aneuploidy that
23 are not leukemogens, probably not leukemogens, but
24 they probably do not alter regulation in the stem
25 cell compartment. And that may, in fact, alter the
AVERY/WOODS REPORT=NG SERVICE, INC. 4303) 825-6119

74

1 number of target cells available for further damage.

2 Now, you can then incur the loss of a

3 chromosome. In this case I've used 5. We know

4 benzene metabolites in culture will cause 5-. No

5 evidence they cause 5q-. Certainly they cause 5-.

6 They cause 7-, 8-. They cause a random array of

7 chromosomal aberrations.

8 But what you end up seeing that

9 persists during the evolution of AML are those that

10 appear to be important with respect to the evolution

11 of the disease, and 5 is of one of them.

12 Q. 5q- would be one of them?

13 A. Yes. From what we know is going on at

14 that location on the chromosome. And it makes sense

15 that it would be involved in this process.

16 Q. Are the studies you're referring to

17 animal studies?

18 A. No. These are studies involving human

19 cells.

20 Q. Human cells?

21 A. Human cells in humans with AML. Animal

22 studies, with respect to looking at specific

23 chromosome loss and gene involvement are irrelevant,

24 because the genetic mapping of genes and their

25 location on chromosomes in animals are different than

AVERY/WOODS REPORTING SERVICE, INC.. (303) 825-6119

75

1 in humans. And if one wants to look at the
2 propensity for involvement of specific chromosomes
3 and genes in the evolution of human cancer, you have
4 to look at human cells.

5 Q. How many of your studies have looked at
6 human cells?

7 A. Well, I think that probably depends on
8 what you call a study. If we're talking about-

9 Q. I'm going to pull this out, because we
10 might refer to it.

11 A. You're talking about individual
12 publications at this point in time. I could probably
13 give you a number. The fact is the work that we've
14 been doing for the last seven, eight years has been
15 largely devoted to looking at these processes in
16 human cells.

17 Q. What you say "we," who are you
18 referring to?

19 A. My laboratory.

20 Q. At the University of Colorado?

21 A. University of Colorado. Now, one can
22 look at processes. One can look at individual events
23 using animal models or animals cells as models. But,
24 if one wants to integrate them into a cogent model
25 for the evolution of a disease such as AML, you have
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

76

1 to basically look at the human gene and the
2 organization of genes as they are found in human
3 cells, not in animals cells.

4 Q. Have most of your studies been animal
5 studies?

6 A. If you look at my whole-- in my record
7 over the past twenty, twenty-five years, yes, most of
8 the work has been in animals. We've only recently
9 developed-- by recently I mean the last ten years--
10 the techniques to begin to directly look at human
11 cells.

12 In humans, what we've seen over the
13 last few years is virtually an explosion in terms of
14 our knowledge of mechanisms associated with
15 hematopoiesis and development of leukemia and
16 cancer.

17 Certainly hematology, I would say that
18 eighty percent of what we know today we've learned in
19 the last ten years, maybe five years, with the
20 exception of clinical description of diseases,
21 clinical, laboratory, traditional morphologic
22 techniques. What we know about the mechanism of
23 genesis, the vast majority of our knowledge we've
24 gained in the last five years.

25 Q. You have your resume in front of you?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

77

1 A. Yes.

2 Q. Which one of your studies on there were
3 human cell studies related to benzene toxicity?

4 A. Well, certainly the European Journal of
5 Haematology, Environmental Health Perspectives, in
6 Press.

7 Q. Are you talking about articles now?

8 A. Yes. I don't know how you want to do
9 this.

10 Q. Why don't you identify the page number
11 of your CV?

12 A. Page 10.

13 Q. And you can just read the title of the
14 article.

15 A. "The Effects of Benzene and other
16 Leukemogenic Agents on Hematopoietic Stem and
17 Progenitor Cell Differentiation" is human.

18 "A Epidemiologic Study of Benzene's
19 Early Biologic Effects in Heavily Exposed Workers in
20 Shanghai, China" is obviously human.

21 "The Process of Leukemogenesis," 1966,
22 is human. "Impact of Benzene Metabolites on
23 Differentiation of Bone Marrow Progenitor Cells" is
24 human.

25 "Dideoxycytidine-Induced Thymic

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

78

1 Lymphoma Correlates with Species-Specific Suppression
2 of a Subpopulation of Primitive Hematopoietic
3 Progenitor Cells in Mouse but not Rat or Human Bone
4 Marrow" deals with human.

5 "Peroxidase Activity in Murine and
6 Human Hematopoietic Progenitor Cells: Potential
7 Relevance to Benzene-Induced Toxicity" deals with
8 human.

9 Those are the current papers that I
10 have that deal directly with looking at the effects
11 of benzene and other leukemogenic actions or leukemia
12 agents in humans.

13 Q. And did any of those studies determine
14 that benzene metabolites caused damage to the fifth
15 chromosome?

16 A. No. We're currently conducting those
17 studies. I'll probably be ready to publish that
18 information. within a month or so.

19 Q. Is there a point at which benzene, the
20 metabolism of benzene, becomes saturated?

21 A. Primary metabolism is probably
22 saturated at about one hundred parts per million,
23 maybe between one hundred and three hundred parts per
24 million. Secondary metabolism is probably saturated
25 somewhere within that range. But we don't completely
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

79

1 understand that.

2 Q. So the only one you have an opinion on
3 within a reasonable degree of medical certainty-or
4 scientific certainty is primary metabolism as to the
5 when-

6 A. When saturated?

7 Q. Yeah.

8 A. Yes.

9 Q. What does it mean to say it's
10 saturated?

11 A. It means that basically you have
12 maximized the process, and there is no increase in
13 the rate of transformation of molecules that can
14 occur by increasing the concentration.

15 Q. And does that mean that the amount
16 which is absorbed above that saturation point is not
17 going to be metabolized?

18 A. Essentially, yes.

19 Q. So, if someone inhaled air or was
20 exposed to benzene in parts per million which
21 exceeded a hundred, the amount above one hundred
22 parts per million would not be metabolized; is that a
23 fair statement?

24 A. No. The rate of transformation of
25 benzene into the metabolites will not increase. You
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

80

1 basically will then have a constant rate of formation
2 of primary metabolites. Doesn't mean that secondary
3 transformation or tertiary transformation, which are
4 just as important with respect to toxicity, will not
5 continue to occur.

6 Q. But, as of today, we don't know that?

7 A. I think we do know that. We know that
8 it occurs. We just don't know precisely what the
9 saturation is for cell specific production of
10 individual metabolites under those circumstances.
11 Whether or not saturation occurs is
12 really not all that important. I think that's an
13 overly-- I think there's too much attention paid on
14 saturation. It's important for pharmacokinetic
15 modeling, but it doesn't, I think, give you a great
16 deal of information with respect to potential
17 toxicity.

18 Q. Well, with regard to primary
19 metabolism, if a person is exposed to amounts over
20 one hundred parts per million, will that excess
21 amount pass into the body unmetabolized, or is there
22 a percentage that you can say will pass into the body
23 unmetabolized?

24 A. Yes. And, as a matter of fact, it can
25 happen at lower concentrations. You don't have to
AVERY/WOODS REPORTING SERVICE, INC. '303) 825-6119

81

1 reach saturation with a compound that can be
2 spontaneously excreted.

3 And benzene is volatile. And the
4 primary route of excretion of benzene is through the
5 lung, inhaled. And so, in a situation like that,
6 you're going to see excretion of benzene at
7 concentrations below saturation.

8 Q. The primary route of excretion for any
9 level of benzene is through the lung, unchanged?

10 A. No.

11 Q. If it's inhaled?

12 A. No. In a very, very low concentration,
13 the primary route of excretion is going to come from
14 excretion through the urine or the feces, primarily
15 the urine. A higher concentration, at some point-
16 it's incompletely understood, but generally well
17 recognized-- exhalation of benzene becomes the
18 primary route of excretion. But-

19 Q. What approximate point is that?

20 A. Certainly above a hundred and probably
21 somewhere, I would say, around fifty you begin to see
22 it lipping up. But the fact of the matter is you can
23 measure benzene readily in exhaled breath of
24 individuals.

25 In smokers it's quite high, and in

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

82

1 individuals with no exposure to benzene you can still
2 measure benzene excretion via the lungs. So there's
3 benzene in your breath right now. It can be
4 measured.

5 Q. So at fifty parts per million exposure
6 you would start to see larger percentages not
7 metabolized of the benzene?

8 A. I would expect so, yes.

9 Q. And after one hundred parts per
10 million?

11 A. You've reached a point where any
12 benzene taken in, the major increase in its fate or
13 the major-- its major fate will be exhalation.

14 Q. At some point I take it. Okay. Is
15 there a percentage? Is it ninety percent-

16 A. It depends. I would suspect that above
17 one hundred parts per million you could be talking as
18 much as eighty percent. But I mean these numbers are
19 by no means hard and fast.

20 Q. But, if you were around eighty percent,
21 you would expect to see at one hundred and above
22 which would be excreted unmetabolized?

23 A. Yes.

24 Q. Then I take it that goes down, that
25 percentage that's excreted unmetabolized decreases as
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

83

1 you get closer to the saturation point coming down
2 the scale, and then get below the saturation point;
3 is that a fair-

4 A. It is. But I mean these are-- it's not
5 that simple. If you're talking about mass balance;
6 that's true. But, as I mentioned, even as ambient
7 levels of exposure to benzene that occur every day,
8 there is benzene excreted unchanged via the lungs.

9 Q. Even at background exposures?

10 A. Yes. It's been demonstrated. The EPA
11 has measured benzene in the breath.

12 Q. Well, if it's in someone's breath, they
13 must have been exposed somewhere, wouldn't you say?

14 A. Sure. Everybody is, every day.

15 Q. So let's take, say, ten parts per
16 million, air concentration; would you expect to see
17 most of that benzene metabolized?

18 A. Ten parts per million, probably, yes.

19 Q. And I guess-- would that hold true for
20 one part per million as well?

21 A. Yes.

22 MR. WILLIAMS: Take a one-second
23 break.

24 (Whereupon, a document was marked Irons
25 Deposition Exhibit 3 for identification by the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

84

1 reporter.)

2 Q. (BY MR. WILLIAMS) Is the metabolism of
3 benzene the same in all species?

4 A. Qualitatively, probably so. There
5 certainly aren't any major differences that have come
6 to light, although there could be some minor
7 difference's.

8 Q. Are there any studies which would
9 support your view that the metabolism is the same?

10 A. Many. There's certainly no
11 appreciation in the literature related to benzene
12 metabolism that humans have a different pattern of
13 metabolism: The cell specific metabolism is probably
14 much more important than overall metabolism in terms
15 of conferring susceptibility, and there you do see
16 differences.

17 In rats the target organ for benzene is
18 the Zymbal gland, and in mice one sees toxicity in a
19 gland called the preputial gland. And one
20 characteristic of human bone marrow, the Zymbal gland
21 and preputial gland, is the concentration of
22 myeloperoxidase, which is known to be a very
23 important enzyme in the terminal oxidation. of
24 hydroquinone.

25- Recent studies in humans suggest that
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

85

1 also a detoxifying enzyme called DT-diaphroase or
2 NQ01 is an important enzyme in benzene toxicity. Its
3 absence in the bone marrow of humans, polymorphisms
4 in the gene that's associated with NQ01 appear to be
5 correlating with benzene toxicity in studies that are
6 ongoing in China and elsewhere.

7 Q. Have you cited these human studies in
8 your list of articles that's included in Exhibit 3?

9 A. No.

10 Q. And these are not studies you have
11 participated in?

12 A. Yes. Some of them aren't, and some of
13 them are. One of the articles that I do cite here is
14 where it was demonstrated that, in fact, peroxidase
15 is found in the hematopoietic progenitor cells in
16 humans. And much of this work has been conducted at
17 the University of Colorado, either in my laboratory
18 or that of Dr. Ross, who has been actively looking at
19 polymorphisms associated with DT-diaphroase as well.
20 Q. Has the metabolism of benzene been
21 studied in liver cells in vitro?

22 A. Yes. Yes.

23 Q. By you?

24 A. In the past I've participated in a
25 number of studies that would look at in vitro and in
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

86

1 vivo metabolism of benzene. I haven't done any liver
2 work in many, many years.

3 Q. When was the last time?

4 A. It was when I was at CIIT. It would
5 have been the late '70s, early '80s.

6 Q. Benzene is primarily in humans
7 metabolized in the liver; is that-

8 A. Primary metabolism is the liver, and
9 that's humans, mice, rats, virtually all species that
10 have been examined.

11 Q. And we're talking about animal studies
12 now. Are these animal studies important in or useful
13 in understanding the metabolism process of humans?

14 A. Yes.

15 Q. So the results of these animal studies
16 would be extrapolated to human subjects?

17 A. Animal studies are extremely important
18 for understanding individual processes, when you're
19 talking about metabolism or toxicity. The problem
20 with animal studies is there is no animal that is a
21 complete and total surrogate for humans.

22 So you can extrapolate, you can
23 compare, you can reach conclusions that are based
24 upon experiments in animals, but you have to be able
25 to validate the major tenets of those conclusions
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

87

1 using human material or analogy to human studies, the
2 point being you can extrapolate many aspects of
3 processes that are 4nvolved in metabolism of benzene
4 to humans.

5 If you want a model for the
6 pharmacokinetic modeling of humans, you have to use
7 some human material co fill out the picture. You
8 can't simply take a mouse or a rat and say this is a
9 small human and directly use that data to predict
10 precisely or quantitatively what will happen in
11 humans.

12 But pharmacokinetic-- physiologically
13 based pharmacokinetic models made extensive use of
14 animal materials. Uses in vitro human studies as
15 well to fine-tune and test the parameters and the
16 results that are seen from the animal studies and
17 then produce a model that predicts what happens in
18 humans.

19 Q. And these in vitro studies would be
20 with liver-

21 A. Some of them.

22 Q -- cells?

23 A. Some of them. I think the most
24 important ones today with respect to understanding
25 what's going on occur in bone marrow. We know a lot
AVERY/WOODS REPORTING SERVICE, INC.-(303) 825-6119

88

1 about the liver process in all species. The liver is
2 not where the terminal or endpoint of metabolism
3 occurs that's associated with benzene toxicity. It's
4 the bone marrow. So-

5 Q. Does a secondary, tertiary metabolism
6 occur in the bone marrow?

7 A. Yes.

8 Q. Do you agree that benzene itself,
9 without being metabolized, can have a toxic effect on
10 bone marrow?

11 A. No, not at concentrations that are of
12 any physiological relevance whatsoever. Benzene by
13 itself is virtually inert until you get to
14 concentrations that basically dissolve in liquid, and
15 that's not compatible with life.

16 Q. Is that a subject of debate, whether
17 benzene itself causes damage to bone marrow?

18 A. It has been, but I don't think it is
19 now. It's been demonstrated. There are a number of
20 different laboratories now that have not been able to
21 demonstrate that primary metabolism occurs in bone
22 marrow of humans. There have been studies that have
23 looked at the effects of benzene by itself and have
24 suggested it might have some effect on the process of
25 influencing, but the concentrations of benzene that
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

89

1 were used are not physiologically relevant.

2 Q. Do the liver cells from different
3 individuals metabolize benzene differently on a
4 quantitative basis?

5 A. There's some evidence that the specific
6 types of enzymes that are associated with primary-
7 the specific enzyme associated with primary
8 metabolism of benzene is an enzyme called QE1. It is
9 an isotype or isoform of an enzyme. It's a
10 constellation of enzymes that are called QE1,
11 Cytochromes P-450.

12 There's some evidence that, in fact,
13 there is some polymorphism in humans with respect to
14 metabolism of a variety of compounds associated with
15 cytochrome P-450 with respect to human toxicity.
16 Humans tend to show less variability with respect to
17 that process than various strains of rodents do.
18 At this point the polymorphism that
19 appears to be the most important in susceptibility,
20 certainly associated with benzene poisoning in
21 humans, is the enzyme I talked to you about before,
22 NQ01, which has been associated with secondary
23 detoxification. Now it appears to be-- polymorphism
24 appears to segregate with toxicity, at least in
25 studies that have been done in China.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 Q. That would indicate a variability in
2 toxicity among individuals?

3 A. Sure. We've seen that. We've seen
4 that in the benzene literature already. I don't
5 think that's subject to discussion.

6 Q. That individuals vary in their
7 susceptibility to benzene toxicity?

8 A. Certainly. If you take any population,
9 even the Turkish shoe workers or Italian shoe workers
10 that were exposed to egregious concentrations of
11 benzene following World War II, where we had massive
12 epidemics of benzene poisoning in individuals exposed
13 to hundreds and hundreds of parts per million of
14 benzene chronically, repeatedly, over and over, not
15 just for eight hours but for twenty-four hours a day,
16 we still only see a fraction of individuals that
17 develop bone marrow suppression. We only see a
18 subfraction of those that go on to develop persistent
19 lesions, and even a smaller fraction of those go on
20 to develop acute myelogenous leukemia. So clearly at
21 concentrations that are toxic to bone marrow you only
22 see a subset of individuals that get sick.

23 Q. And you attribute that to individual
24 susceptibility?

25 A. Certainly, by definition.

EVERY/WOODS REPORTING SERVICE, INC. (3031 825-6119

91

1 Q. Is differences _n the way individuals
2 metabolize benzene the only =actor that affects
3 susceptibility?

4 A. I'm convinced it's probably a major
5 factor. But, by the same token, I have spent a great
6 deal of my time and effort looking at alterations and
7 regulations of specific cells, at bone marrow
8 function in humans, and I would not be surprised at
9 all to find that there are some other differences
10 that may impact on that.

11 Certainly to date we haven't seen any
12 major ones. We certainly have not seen any major
13 polymorphisms, if you will, with respect to the
14 response of human bone marrow to benzene metabolites
15 or to other chemotherapeutic agents.
16 But metabolism clearly is an area where
17 there's likely to be an impact on susceptibility
18 related to individual factors.

19 Q. What other factors might be important
20 in that?

21 A. Other exposures, other behaviors,
22 environments. I think smoking is likely to play a
23 role.

24 Q. You mean life-style? Would you include
25 it in the lifestyle category?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

92

1 A. Lifestyle, diet, individual variations
2 in stem cell differentiation and progression.

3 Q. Would that be a genetic concept,
4 individual differences in stem cell differentiation?

5 A. Some of those-- certainly the ones that
6 I think are the most likely hypotheses to date are
7 environmental. But they remain to be tested. We are
8 in the process of designing experiments, conducting
9 experiments to look at the influence of smoking on
10 that process.

11 Smoking increases white count
12 dramatically. No one knows why. If, in fact, it's
13 altered cell differentiation rather than a terminal
14 maturation process or margination, it could readily
15 influence a susceptibility to agents that target
16 dividing cells.

17 At present I don't know of any, with
18 the exception of defined diseases, where we know that
19 there are processes that are abnormal to begin with
20 and that are associated with various anemias or what
21 have you. I'm not aware of any hypotheses that
22 directly relate to genetically determined differences
23 in, say, stem cell susceptibility.

24 Q. Would you agree that the genetic makeup
25 of different people might enable some people to fight
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

93

1 off disease more readily than others?

2 A. It's almost -- well, the answer to the
3 question is obviously yes. But that's like asking do
4 you believe in mother and apple pie. I mean genetic
5 makeup is all we are. All we are is a product of our
6 genes. And we each have a different legacy with
7 respect to the genes we've gotten. We interact with
8 our environment.

9 So obviously our genetic makeup is
10 important in influencing our susceptibility to the
11 development of various diseases and our ultimate
12 fate, whether we're destined to develop
13 cardiovascular disease or colon cancer or what have
14 you.

15 (Whereupon, from 12:51 p.m. to 1:08
16 p.m., a recess was taken.)

17 Q. (BY MR. WILLIAMS) Doctor, we've been
18 discussing the initial insults to bone marrow that
19 can be caused by benzene. And my understanding is
20 that benzene metabolites can cause a lesion which
21 leads to a disruption in cell differentiation. Is
22 that a fair statement as the initial point in the
23 process?

24 A. They certainly disrupt or alter
25 differentiation. Whether there is a lesion or not
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

1 that's, I guess, a semantic question. It's a
2 transient effect. It's temporal. It is probably-
3 it's probably associated with changes in signal
4 transduction from the surface of a cell to the
5 interior and not with a genetic event.

6 The first genetic event that is
7 associated with-- that we know is associated with
8 secondary leukemia development and with benzene
9 metabolites, their chemistry is consistent with, is
10 aneuploidy, the loss of all or part of a chromosome
11 that then can lead to further alterations with time.

12 Q. So the first step in the process could
13 be the disruption of cell differentiation, then a
14 chromosomal abnormality or aneuploidy?

15 A. Occurring in that population, yes.

16 Q. Subsequent to those events, does there
17 then occur a disease process that can eventually lead
18 to AML, or have we already started the disease
19 process?

20 A. No. The outcome is by no means fixed
21 in time at that stage.

22 Q. I understand. Okay. At that stage
23 what can happen? Can a person manifest symptoms of
24 toxicity?

25 A. One looks at the concentration of
AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

95

1 metabolites that are necessary to produce those
2 events.

3 If one looks at chromosomal aberrations
4 that occur in individuals following chemotherapy or
5 exposure to benzene, it's clear that the levels of
6 exposure that are consistent with that type of
7 abnormality are consistent with some effect at the
8 level of the bone marrow at that stage. One should-
9 it would not be unlikely to see lymphocytopenia or a
10 transient suppression in blood cells at that point in
11 time.

12 Q. That would be no red blood cells?

13 A. Yes. Although you have to keep in mind
14 that the half-life of red blood cells is very long in
15 circulation. So if you have a transient effect that
16 even involves exposure over a period of days, they're
17 going to be much less-- red cells are going to be a
18 much less sensitive tool than, say, for instance the
19 lymphocytes, which have a much shorter transient
20 period in peripheral blood.

21 Q. If a transient benzene exposure has
22 caused these effects, is it possible that the effects
23 will dissipate too on removal from the exposure?

24 A. The effects we've just talked about,
25 yes.

AVERY/WOODS REPORTING SERVICE, INC. (303? 825-6119

1 Q. At what point do the effects become
2 permanent and not removable or not curable by removal
3 from the exposure? Can you even quantify that?

4 A. At this point in time, the development
5 of permanent or persistent cytopenias,
6 myelodysplasia, AML associated with exposure to
7 benzene or any of the other agents that are known
8 leukemogens, the precise dose duration product is not
9 understood. It clearly represents a chronic event.
10 We're talking about repetitive exposure that
11 increases the likelihood that a permanent event will
12 occur.

13 Now, when we talk about the progression
14 or mechanisms that are involved in the progression of
15 the development of AML, we're talking about events
16 that happen in single cells. It's a rare event.
17 Whether it leads ultimately to the evolution of
18 leukemia is a stochastic process.

19 Q. What does that mean?

20 A. It's random, as I said before.
21 Exposure can alter the frequency or the probability
22 that that type of an event will occur. It does not
23 specifically produce a selective event.
24 So, if you have, for instance, a clone
25 of cells that survive an exposure insult in which

97

1 aneuploidy has occurred and involves chromosome 5 and
2 7, that clone may persist for years. If it continues
3 to divide and replicate, it may -- replication is
4 controlled by interaction of those cells with their
5 environment, which includes the stromal cells of the
6 bone marrow and any other external factors.
7 It's a regulatory process. That
8 ostensibly is disrupted if you have lesions such as
9 what I'm describing. As that begins to unfold, you
10 may begin to see clinically or functionally
11 processes-- a process developing such as
12 myelodysplasia, dysplastic changes in the bone
13 marrow, multiple cytopenias persisting.
14 If that clone continues to evolve, you
15 may-- you then have increased frequency of division
16 or turnover occurring. And those cells are
17 susceptible to other random events. Those events can
18 involve endpoint mutations, loss of function of genes
19 that change the biology of the cell. And that's
20 basically the type of process that occurs in the
21 evolution of a disease like AML.
22 Q. So no one knows at what point an
23 exposure or duration will produce that exact effect?
24 A. Not precisely. We do have a lot of
25 information with respect to the latency or the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

98

1 duration of time that's associated between
2 significant exposure to benzene and to onset of
3 disease.

We know the types of and the frequency
5 of manifestations of toxicity that are seen in many
6 cases that then ultimately correlate with the
7 evolution of the disease. But, with respect to
8 whether or not a specific event at a place and time
9 is going to lead to the evolution of leukemia, we
10 can't predict on an individual basis.
11 So, even if an individual has clonal,
12 chromosomal aberrations, there is no way of
13 predicting what the chances are that he will go on to
14 develop leukemia.

15 Q. Okay. Would continued exposure to
16 benzene worsen the clonal aberration once it's
17 formed?

18 A. I think you could make a much more
19 cogent argument that it would lessen. Between 1900
20 and 19-- possibly the end of the 1930s, actually
21 benzene was used as a chemotherapeutic agent. It was
22 used in the treatment of leukemia.
23 It's not very effective. It's fairly
24 weak compared to most of the therapeutic agents that
25 we have today. But it was used as a drug for the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 treatment of leukemia.

2 If you already have a persistent clone
3 that's undergone proliferation that is -rot
4 regulated-- normally benzene's cycle specific and
5 cytotoxic-- the same process that can lead to the
6 evolution of the abnormal clone can also kill those
7 cells. And that process is what occurs during the
8 events that you see in acute benzene poisoning, where
9 you have high-level exposure and you have transient
10 effects in the bone marrow.

11 What benzene is doing is causing not
12 dysfunctional events that lead to cell death. It's
13 inhibiting lymphocyte proliferation at those
14 concentrations. It's subsequent exposure is not
15 likely to exacerbate the process. It's likely, if
16 you have significant exposure, if it's going to do
17 anything, it's likely to impede it.

18 Q. So, once the clonal aberration is
19 present and persisting, the damage is already done in
20 terms of the disease process?

21 A. No. That's the first-- that is one
22 step in the process. There are now multiple steps
23 that have to occur.

24 What I'm saying is that where benzene
25 metabolites act is very likely to be early in the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

100

1 process, not late. The types of effects that are
2 seen are consistent with the earliest effects that
3 are seen in the evolution of AML, not late effects.

4 Q. The effects we've been discussing
5 earlier, the cytopenias and-

6 A. Yes. And the types of aberrations that
7 are seen. The lesions that are associated with late
8 events in the evolution of AML are probably at random
9 and are governed by the rate of replication in the
10 cells. Benzene can target those cells and kill
11 them.

12 When I speak about benzene, I'm
13 referring really to metabolites, not benzene itself.
14 In that context, high-level benzene exposure, it's
15 more plausible that benzene is going to impede the
16 process, not exacerbate it.

17 Q. So would intermittent benzene exposure
18 be potentially more damaging than chronic?

19 A. Intermittent is chronic. In an
20 occupational setting or a setting where individuals
21 are exposed to levels of benzene that are high level
22 to produce bone marrow toxicity, you're almost
23 dealing with intermittent exposure.

24 Q. By "intermittent" you just mean lack of
25 completely continuous?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

101

1 A. Yes.

2 Q. Okay.

3 A. Benzene is cycle specific. It's been

4 known for years.

5 Q. Once you have this pathogenesis, once

6 the lesion has occurred and the clone has been

7 formed, the chromosomal aberration, what types of

8 manifestations do you see at that point, or do they

9 vary per individual?

10 A. The precise role of a given chromosomal

11 aberration in the evolution of the disease is not

12 understood. There are processes that are currently

13 being studied. And there is a very-- there are

14 some-- there's some evidence to support alternative

15 hypotheses.

16 The best example I can think of is-

17 let's talk about 5q-. In individuals who have solely

18 5q- and nothing else, no other chromosomal

19 aberrations, the evolution to progressive

20 myelodysplasia or transformation to AML is very rare,

21 less than ten percent.

22 Q. So those occur in just those patients

23 with just that chromosomal aberration; is that

24 correct?

25 A. It can, yes. I said less than ten

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

102

1 percent. A small minority of those individuals can
2 go on to develop-- to transform, yes. But the
3 likelihood is that it won't.

4 That is a distinct population vis-a-vis
5 other types of aberrations or multiple aberrations in
6 terms of chromosomal aberrations. And it is also
7 consistent with what one sees in terms of the
8 findings.

9 5q- by itself is seen often in the
10 aging populations. And that in that context it's
11 associated with refractory anemia. And refractory
12 anemia has cytoblasts. It has about a ten percent or
13 less conversion rate to AML. That tends to be what
14 one sees.

15 If one sees multiple chromosomal
16 aberrations, say if instead of 5q- you've got -5, -7,
17 or a combination thereof, or other potential
18 aberrations as well irrespective of the origin of
19 that lesion, you now have a totally different
20 prognosis with respect to potential for evolution.
21 Those individuals tend to have a higher rate of
22 transformation, a much more rapid rate of
23 transformation and progression of the disease, and a
24 very high incidence of conversion to AML.

25 One also sees, instead of just seeing
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

103

1 the refractory anemia or macrocytic anemia-- one
2 tends to see trilineage involvement. One sees what
3 the FAB would call refractory anemia with excess
4 blasts, increase in immature forms, lack of
5 maturation.

6 Q. Which is the hypercellular bone marrow?

7 A. It's the hypercellular bone marrow in
8 all of them basically.

9 Q. Would you see a lowering of platelets?

10 A. Well, trilineage involvement would mean
11 a cytopenia very often involving platelets. In the
12 case of benzene you usually see that early.

13 Q. And you'd also see leukopenia?

14 A. Which is part of the same. Leukopenia
15 simply refers to a decrease in white cells. That
16 would be due to a decrease in basophils or a decrease
17 in neutrophils or both.

18 Q. So these various blood dyscrasias we've
19 been discussing are part of the continuum of the
20 disease process?

21 A. In the context of the evolution of
22 secondary leukemia, secondary to exposure to high
23 levels of benzene or other leukemogens, yes. In the
24 context of spontaneous evolution of diseases such as
25 the 5q- syndrome, no.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

104

1 Q. Do you believe that 5q- syndrome is
2 only spontaneously induced?

3 A. If you are defining 5;- syndrome, there
4 is only evidence to support that it is a spontaneous
5 lesion. If you are talking about the evolution of
6 involvement of chromosome 5, as in other diseases
7 such as secondary leukemogenesis or colon cancer,
8 it's clear that chromosome 5 can be involved in a
9 variety of different disease processes.

10 I have seen nothing in the
11 epidemiological literature associated with
12 mechanistic study looking at mechanisms of
13 leukemogenesis that would support the notion that 5q
14 syndrome is the same thing.

15 Q. Is 5q- syndrome, as you're calling it,
16 a form of bone marrow depression?

17 A. Ostensibly, yes. It leads to an
18 anemia. It may lead to cytopenias of one type or
19 another, often involving granulocytes. Again, that's
20 a pattern you don't see very often associated with
21 secondary leukemogenesis.

22 Q. What studies are you referring to that
23 show that 5q- cannot be caused by benzene exposure?

24 A. First of all, I think it's misleading
25 to characterize that as a scientist Dr science has
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

1 studies that prove a negative. Second of all, 5q-,
2 if you're talking about the loss of the chromosome,
3 I've already testified to the fact that I think
4 involvement of chromosome 5 is consistent with
5 secondary leukemogenesis.

6 If you're talking about 5q- syndrome,
7 there is plenty of evidence to suggest that, with
8 respect to origin, age distribution, prognosis,
9 treatment, and characteristics of the disease, that
10 it's a separate syndrome. I have brought with me
11 several articles that indicate that.

12 Again, if you're asking me to point to
13 studies that prove 5q- syndrome is not related to any
14 type of exposure to benzene, the fact of the matter
15 is science doesn't prove negatives. That's not
16 something that we can do.

17 Q. What is the incidence of MDS?

18 A. It's variants dependent. The only
19 quantitative study that I've seen which is consistent
20 with certainly the clinical impression one sees in
21 the literature is the study in Texas, which showed
22 very clear age dependence.

23 The overall incidence age-adjusted is
24 on the order of .006 per hundred thousand. The
25 incidence in individuals 20 to 29 is on the order of
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

106

1 about that. No. The overall incidence is two to
2 2.9.

3 In the second decade of life it has an
4 incidence of about .06. With age it goes up
5 dramatically. In the seventh decade the incidence is
6 on the order of twenty per hundred thousand.

7 Q. Twenty per hundred thousand?

8 A. Yes.

9 Q. How many people did you have to study
10 to show a significant increase in the incidence of
11 MDS?

12 A. It would depend on the population
13 you're talking about. If you're talking about the
14 second decade of life, where the incidence is
15 extremely low, one would have to-- I can't do it
16 without sitting down with book and a calculator and
17 working through a power calculation, but I can tell
18 you that, based on the relative incidence from one
19 decade to another, it becomes easier the rarer it
20 is.

21 Certainly in-- where you have an
22 incidence of twenty per hundred thousand, in order to
23 see a two-fold increase, which would be significant,
24 you'd have to basically be looking at an incidence of
25 forty per hundred thousand in a given population.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

107

1 Q. But how many people would you have to
2 study in an epidemiological study?

3 A. In order to do that responsibly, I
4 would have to sit down with textbook and a calculator
5 and work it out. I can't do it as we're sitting
6 here.

7 Q. Do you know if any study has attempted
8 to study the effects of benzene or benzene on 5q-?

9 A. Yes. Well, certainly in an
10 occupational setting, benzene itself.

11 Q. Do you know of any studies that have
12 looked for the incidence of 5q- or MDS among
13 benzene-exposed workers?

14 A. When you're talking about 5q-, if
15 you're talking about the chromosomal aberration,
16 benzene workers were studied in that context.

17 Q. Those are the articles you cited
18 earlier?

19 A. They're certainly referenced in the
20 papers that I've provided. Golomb has looked at it.
21 Several have.

22 Q. And these authors have found that there
23 is a relationship, a causal relationship between
24 benzene exposure and damage to the fifth chromosome,
25 correct?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6'19

108

A. If you look at AML associated with
2 exposure to a source of benzene, as I said earlier,
3 you'd see an increased frequency of involvement of
4 chromosome 5. I don't think there is any doubt about
5 that. There are some who will argue that it has been
6 proven directly for benzene. I don't agree that that
7 is necessarily likely to prove to be any different,
8 especially since my own work demonstrates benzene is
9 capable of altering that chromosome along with many
10 others.

11 Q. You agree that benzene causes deletion
12 of the long arm of the fifth chromosome?

13 A. In the context of the evolution of AML,
14 yes.

15 Q. But weren't you using AML to look back
16 and then make your conclusion that there was a
17 benzene-induced event previously?

18 A. (No audible response.)

19 Q. Weren't you using the fact that a
20 worker might or a person might get AML to then
21 conclude that the chromosomal abnormality caused by
22 the fifth chromosome is related to benzene exposure?

23 A. The initial link is between benzene
24 exposure or exposure to chemotherapeutic agents and
25 the evolution of AML. Subsequent to that, it has
AVERY/WOODS REPORTING SERVICE, INC. (.303) 825-6119

109

1 been demonstrated there is a very high frequency of
2 involvement of specific chromosomes in AMLs that are
3 associated with the evolution of that disease.

4 Q. Are these studies all involving people
5 who have AML and then are looking backward at their
6 progression?

7 A. Yes. The only opportunity we have to
8 look at, at present, any progressive development of
9 disease is where we have populations that are exposed
10 to significant amounts of benzene. Right now the
11 only one I'm aware of is in China.

12 Q. Which study is that?

13 A. I've referred to it in my CV. It is
14 the NCI study of Chinese workers.

15 Q. Which one is that? Your CV is
16 lengthy.

17 A. There is only one article on the
18 subject. It's a study by Rothmiller. It's a huge
19 study. It's basically epidemiologically based.
20 There are a lot of potential problems with it. But
21 it does certainly provide us with an opportunity to
22 look at heavily exposed individuals.

23 It's the only population that I'm aware
24 of for which we have any characterization at all
25 where we continue to have substantive exposures to
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

110

1 benzene.

2 Q. Did you bring that article there in
3 your book?

4 A. No.

5 Q. That article is a prospective study?

6 A. It's a combination of retrospective and
7 prospective. There are many articles that have come
8 out on them. They vary in terms of what they find,
9 in terms of what they report on. They vary with
10 respect to quality. This is a very, very large
11 study, and it's likely to be associated with
12 production of dozens of papers.

13 Q. What is dose-dependent toxicity?

14 A. Virtually all effects, whether they're
15 positive or adverse, that are associated with
16 exposure to chemicals or drugs are characterized by
17 what's called a dose-response. As you increase the
18 dose, you increase the severity or the frequency of
19 the response and, as you decrease the dose, you
20 decrease the severity or the frequency of the
21 response.

22 Dose-dependent toxicity simply means
23 that the toxicity that's seen is-- follows a
24 dose-dependent-- it can be characterized as a
25 dose-dependent process. Virtually all processes,
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

111

1 including the potential for the development of
2 cancer, are characterized by dose-response.

3 Q. So as you increase the concentration of
4 exposure, you increase the severity of damage, you
5 see bone marrow toxicity?

6 A. Yes. Basically going from no effect to
7 severe bone marrow suppression. But you can look at
8 it two different ways, and both are valid in this
9 case. For a given individual, the probability that
10 they will develop bone marrow suppression is
11 dependent on the dose.

12 As I said before, not everybody that's
13 exposed to even extremely high concentrations of
14 benzene will show bone marrow suppression, but some
15 individuals will. So the fractional response is
16 subject to dose, just as the potential for an
17 individual to develop symptomatology and the severity
18 of that symptomatology can be associated with dose.

19 Q. That would vary from individual to
20 individual?

21 A. To a certain extent, yes. Individual
22 variability is a common feature of dose-response
23 curves that involve populations.

24 Q. And the length of exposure, wouldn't
25 that also increase the severity of bone marrow
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

112

1 toxicity?

2 A. Not necessarily. Depends on what your
3 endpoint is. If an individual is susceptible,
4 there's obviously some minimum duration of exposure
5 that's going to be required to produce effects. For
6 the same interval exposure or duration, you still see
7 individuals that will develop toxicity and those that
8 won't.

9 Q. That would be true at any level of
10 exposure, wouldn't it?

11 A. No. At low levels of exposure, if you
12 increase the duration, you don't necessarily-- if
13 you're below a dose level and you have no
14 demonstrable toxicity, increased duration of exposure
15 is not necessarily going to produce any effect
16 whatsoever.

17 Q. But no one can say that it will not
18 produce such an effect, can they?

19 A. (No audible response.)

20 Q. They can say it, but is there any
21 evidence to suggest that there is a known safe level
22 of exposure to benzene at which bone marrow toxicity
23 will not occur?

24 A. It is misleading, as I said before, to
25 suggest that one can prove a negative. With respect
AVERY/WOOS REPORT-LNG SERVICE, INC. (303) 825-6119

113

1 to the concentration of benzene, that is the point of
2 departure between a level that no one will exhibit
3 toxicity following exposure and some other
4 concentration where toxicity can occur. There is no
5 precise dose or duration product I can point to and
6 tell you exactly where that is.

7 Now, having said that, there is plenty
8 of evidence to indicate or to suggest that certain
9 levels of exposure for periods of time ranging
10 virtually to infinity are not associated with any
11 adverse health effects associated with benzene.
12 We're all exposed to benzene every day.

13 And there's no evidence that will support the notion
14 that exposure at those levels is associated with any
15 health risk associated with bone marrow toxicity. So
16 there's plenty of evidence to suggest or to indicate
17 that certain exposure levels are not associated with
18 health effects, and there is evidence to suggest that
19 exposure levels at certain levels are associated with
20 health effects in some individuals.

21 Q. Do you have an opinion as to whether
22 there is a known safe level of exposure to benzene at
23 which the various bone marrow toxicities which we've
24 been discussing will not occur?

25 A. There are-- yes, I do.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

114

1 Q. What's your opinion?

2 A. My opinion is that exposure to levels
3 associated with ambient environmental levels.

4 Q. What is that?

5 A. Let's look at it from the standpoint of
6 what science can-- what one can reasonably, reliably
7 say with respect to the exposure to benzene that's
8 associated with toxicity.

9 The scientific community-- within the
10 scientific and medical community there is certainly
11 consensus that chronic exposure to a hundred parts
12 per million in air of benzene is associated with the
13 potential to develop bone marrow toxicity, bone
14 marrow suppression, cytopenia, aplastic anemia, and
15 all of those individuals go on to eventually develop
16 AML. I don't think there's anyone who would argue
17 with that.

18 The evidence supporting a link between
19 benzene exposure and bone marrow toxicity in any of
20 those endpoints between fifty parts per million and a
21 hundred parts per million is much less cogent, and
22 the strength of that evidence is less.

23 Q. That is based on epidemiological
24 studies?

25 A. Based on epidemiological studies, based
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

115

1 on anecdotal information with respect to occupational
2 settings. That information is much less-- one can be
3 much less confident in exposures at that level.

4 Q. When you say various endpoints-- I'm
5 sorry. Go ahead.

6 A. I personally am concerned about
7 exposures at that level. So I am an individual who
8 thinks that bone marrow toxicity may be encountered
9 in some individuals who are repeatedly exposed to the
10 level of fifty parts per million. I am concerned
11 about that. I can't say that that is a threshold.
12 I'm concerned about exposures, chronic exposures
13 between fifty and a hundred parts per million.

14 Q. Over what time period?

15 A. Over a period of time ranging certainly
16 on the order of years, probably not months, but
17 certainly on the order of years.

18 Q. So one year?

19 A. There are virtually--there are very
20 few cases, even in the epidemiological literature,
21 except where there's arbitrary inclusion of
22 individuals who may have only worked for a very short
23 period of time. The epidemiological literature
24 probably can't support one year of exposure.

25 Certainly exposures somewhere between-- on the order
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

116

1 of five years is certainly significant in the order
2 of a hundred parts per million. And I wouldn't be
3 surprised to see the same at fifty or to see the same
4 at thirty.

5 When one starts talking about
6 exposures, chronic exposures below fifty parts per
7 million, the evidence both in the epidemiological or
8 anecdotal literature becomes far less strong and less
9 valid. There is a great deal of argument and
10 controversy over whether exposures between
11 twenty-five and fifty are associated with any adverse
12 health effects in humans related to bone marrow
13 suppression or the evolution of AML.

14 Below twenty-five, we don't have any
15 data that is really extrapolable or based on risk
16 assessment or other processes that don't involve
17 direct measurement or analysis of exposure
18 scenarios. There's no evidence that at one part per
19 million there are any adverse health effects other
20 than extrapolated again from mathematical models or
21 risk assessments.

22 Q. There are risk assessments which
23 indicate that there would be an increase in leukemia
24 deaths at one part per million exposures, aren't
25 there?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

117

1 A. Risk assessments don't indicate
2 effects, and they are not evidence of causation.
3 They are simply mathematical projections that are
4 used for calculating probabilities that are useful in
5 setting standards, but are not useful for-- they're
6 based on policy, not science.

7 Q. But there are risk assessments which
8 have been done based on epidemiological studies in
9 the Pliofilm workers and others, and Infante's
10 studies which indicate excess leukemia deaths at one
11 part per million, aren't there?

12 A. No. They project on risk assessments
13 that are made.

14 Q. They project that there would be?

15 A. If you look at the latest Rinsky study,
16 which was published two weeks ago, which again is
17 contrary to the majority of studies that characterize
18 the same exposure, the same population with respect
19 to exposure, the levels of exposure they find
20 associated with bone marrow toxicity are on the order
21 of thirty-four parts per million. And that is far
22 below those that have been seen by others who
23 evaluated that population, going back to Kipin
24 studies.

25 The most recent long evaluation of risk
AVERY/WOODS REPORTING SERVICE, INC. (303) 725-6119

118

1 of leukemia in that population, Paxton, Poston--
2 there are several that suggest that the product
3 that's associated with the development of toxicity
4 and increased risk of leukemia is on the order of two
5 hundred parts per million.

6 Q. But there are other analyses which
7 indicate that there is an increased risk at one part
8 per million?

9 A. No.

10 Q. There are not?

11 A. There's no analysis based on exposure
12 data. There are mathematical projections. There's
13 no data base on which to opine that exposure to one
14 part per million will cause leukemia.

15 As a matter of fact, the OSHA standard
16 for exposure to benzene today is one part per
17 million. And, if you look at the criteria for the
18 establishment of that standard, that is an exposure
19 that an individual can be exposed to in a workplace
20 chronically, eight hours a day for forty years,
21 without evidence of adverse health effects. That is
22 a regulatory policy, and it's a standard.

23 Q. Have there been any studies of workers
24 that have been exposed to benzene in a workplace?

25 A. There are studies that include

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

119

1 populations that have had that type of exposure.
2 Epidemiologically, it's included in virtually any
3 epidemiological study. The petroleum workers, they
4 studied workers that have worked for forty years at
5 one part per million, including some of the exposures
6 within cohorts that have been studied in petroleum
7 refineries, workers that have been studied for forty
8 years at one part per million.

9 Evidence to support that one part per
10 million is associated with toxicity is virtually
11 non-existent. There are risk assessments that
12 project an increased risk above background for
13 individuals based on assumptions that are made using
14 the incidence of leukemia in populations that are
15 exposed to much higher concentrations.

16 The assumptions are many. They're
17 based on policy. They're not based on science. A
18 risk assessment is not-

19 Q. Which study-

20 A. A risk assessment is not evidence of
21 causation at any given concentration.

22 Q. Which epidemiological study has studied
23 workers for forty years at one part per million?

24 A. I don't-- as we sit here, I don't know
25 how many years the Wong and Raabe study has

EVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

120

1 captured. That study was forty-two years. That's
2 the population of cohort that they captured.

3 Q. Which study?

4 A. The Wong and Raabe study from '95.

5 Q. What's the name of the study?

5 A. (No audible response.)

7 Q. Is that on your list? You can just
8 tell me which tab it is.

9 A. Number 7.

10 Q. Is that a data analysis?

11 A. Yes. By definition it has to be.

12 Q. Did all the workers studied work forty
13 years in the same industry?

14 A. No. They capture individuals that
15 worked in the industry over that period. Some
16 individuals will. Some individuals won't.

17 Q. How many people worked forty years?

18 A. Excuse me. It's a fifty-three year
19 observation period. Okay. This is a retrospective
20 study that looks at fifty-three years of follow-up in
21 the petroleum industry and characterizes and
22 calculates the incidence of leukemia related to
23 periods of exposure in ppm years.

24 I'm not sure, as we sit here, that I
25 can tell you precisely what portion of the cohort
AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

121

1 worked for what particular period of time.

2 Q. These were mortality studies, weren't
3 they?

4 A. Yes.

5 Q. And they're looking at leukemia as an
6 endpoint?

7 A. Yes.

8 Q. They're not looking for myelodysplastic
9 syndrome or other blood dyscrasias, are they?

10 A. No.

11 Q. Wouldn't you agree with using leukemia
12 as an endpoint in estimating the total risk of
13 benzene exposure?

14 A. To the extent that myelodysplastic
15 syndrome precedes the evolution of leukemia in some
16 majority of individuals, that could be the case,
17 except the transformation rate to AML and secondary
18 myelodysplasia is on the order of eighty percent or
19 greater. So the chance that, in fact, this
20 particular type of study is going to include
21 individuals that have persistent dysplastic
22 phenomena, including leukemia, is relatively small
23 because of the rate of transformation.

24 I do agree that, if one wishes to
25 characterize at any given point in time the incidence
AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

122

1 of disease associated with chronic exposure to high
2 levels of benzene, that myelodysplastic syndrome
3 should be included within that rubric. But, from a
4 transformational standpoint, mortality studies
5 capture that population in large part, because the
6 transformation rate is so high.

7 Q. And if those studies showed an increase
8 in the incidence of leukemia, wouldn't that also show
9 an increase in the incidence of MDS?

10 A. That associates MDS preceding the
11 evolution of leukemia, not MDS independent of
12 leukemia, because, as I said, secondary
13 myelodysplastic syndrome, which is a distinct disease
14 from Sq- syndrome, is associated with a very high
15 rate of transformation to AML and very high mortality
16 in and of itself. The transformation usually occurs
17 within a matter of months.

18 Q. Between MDS-

19 A. And AML.

20 Q. What literature supports that?

21 A. The chemotherapeutic literature looking
22 at secondary myelodysplastic syndrome. The
23 transformation rate is very quick.

24 Q. How about the benzene studies?

25 A. The benzene studies are retrospective.

AVERY/WOODS REPORTING SERVICE, INC. (303; 825-6119

123

1 They do not establish quantitatively a link between
2 myelodysplastic syndrome and AML. If you look at the
3 benzene literature itself, you can't draw that
4 conclusion, because myelodysplastic syndrome has not
5 been included in the studies.

6 Q. You're drawing the conclusion from the
7 chemotherapy studies?

8 A. I'm drawing some conclusion from that.

9 There's an analysis of myelodysplastic syndrome, it's
10 been seen in Chinese workers, again fairly high
11 transformation rate. So some studies are beginning
12 to confirm what we already know from the
13 chemotherapeutic literature.

14 Q. What is the study that just came from
15 China?

16 A. Some of it.

17 Q. Is it the Yin study?

18 A. Some of it. Yin is the first author.

19 There are other authors as well.

20 Q. Does secondary myelodysplastic
21 syndrome-- secondary meaning caused by benzene--
22 proceed to AML or leukemia?

23 A. I would be surprised if a very large
24 majority did not, probably on the order of eighty
25 percent at least. Whether every one does is, I
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

124

1 think, not a question that I can answer at this
2 time.

3 Q. Other than the Chinese study which
4 you've cited-- and let me get the tab number on that
5 if we could. Was that in your CV?

6 A. What?

7 Q. You were referring to a Chinese study?

8 A. The Chinese study I haven't provided,
9 because I didn't think they were relevant to this
10 particular issue.

11 Q. Not here. Okay. But you're suggesting
12 that study is showing that?

13 A. Myelodysplastic syndrome has been seen
14 in that study along with leukemia.

15 Q. That study indicated an increase of
16 myelodysplastic syndrome?

17 A. That's what I mean by seen.

18 Q. Related to benzene exposure?

19 A. Related to benzene exposure.

20 Q. Does that study indicate what the
21 exposure levels are?

22 A. Within the limits of occupational-
23 retrospective occupational modeling, yes, it does, to
24 a certain extent. It certainly indicates we're
25 talking about high-level exposure.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

125

1 Q. On the order of fifty parts per
2 million?

3 A. My reading of it would be, at least
4 cumulative exposure-- I don't know where
5 myelodysplastic syndrome falls out in there in the
6 rubric in their exposure characterization-- I would
7 not expect to see them have any different pattern
8 than what's seen with AML.

9 The problem you have in the Chinese
10 study is you have twenty-five thousand workers
11 significantly exposed to benzene. I don't know how
12 many thousand sites. Characterizing the exposures is
13 probably problematic.

14 However, based on individuals that we
15 know have been exposed to high levels in China that
16 are being followed and that have shown already
17 significant signs of bone marrow poisoning, we ought
18 to be able to get a better handle on the nature of
19 the duration and levels of exposure that are
20 associated with it.

21 Q. That hasn't been quantified yet?

22 A. There are-- they've quantized
23 cumulative exposure for the entire population. I
24 don't think it's a particularly useful-

25z Q. Do you know how large the population

EVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

126

1 that was studied?

2 A. They have at least twenty-five thousand
3 captured in their exposure group. And I believe the
4 initial study started out with over five hundred
5 eighteen thousand workers. It's huge, and huge does
6 not necessarily mean good. But it is a very large
7 population.

8 Q. If you could just tell me again the
9 title of that particular study. I believe it's in
10 your CV.

11 A. Now, that one is just one paper in that
12 whole series. That's not one that deals with the
13 specific incidence of MDS.

14 Q. The one that you cited in your CV?

15 A. That one deals-- primarily looking at--
16 that particular paper looks at parameters in benzene
17 poisoned workers who have not developed leukemia.

18 Q. Which paper is that that we're talking
19 about?

20 A. Rothman, et al. These are individuals
21 that are exposed to high levels. They already have
22 some demonstrable sign of benzene poisoning and
23 toxicity.

24 Q. What year is that article?

25 A. It's in press.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

127

1 Q. This one is?

2 A. Yes.

3 Q. How many of these articles that are
4 listed in press did you bring as part of what you
5 brought here today?

6 A. Those that are listed on the list of
7 references that I provided are here.

8 Q. Can I get a copy of the Rothman
9 article?

10 A. I should think so.

11 Q. It's not out yet I take it?

12 A. No.

13 Q. I'd like to, if I could get a copy of
14 all the articles you've authored in press that were
15 not included in the book.

16 A. I could do that.

17 Q. Okay. Other than the Wong study, were
18 there any other epidemiological studies which
19 indicate-- or the Wong study didn't indicate-- but
20 are there any studies that studied workers working
21 for forty years continuously at one part per million?

22 A. Probably not.

23 Q. Do you know what length of time the
24 workers stay in their jobs, in the same job?

25 A. I think it varies with the industry and

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

128

1 it varies with time. That's one of the problems with
2 capturing occupational populations is how long they
3 stay in the job and where they-- whether or not
4 they're captured in any given cohort.

5 Q. Are you aware of any studies today, as
6 we sit here?

7 A. No.

8 Q. Do you know what the action level is
9 for OSHA?

10 A. In OSHA, the permissible exposure level
11 is one. The action level is, I believe-- I think
12 it's .5.

13 Q. What is the significance of the action
14 level?

15 A. That, in fact, you take steps to reduce
16 the exposure level if, in fact, it reaches that
17 point. It's an effort to prevent approaching the
18 standard.

19 Q. Do you know what is required if the
20 level reaches .5 parts per million by OSHA?

21 A. Specifically what's associated with the
22 actions that are taken at that point in time, no, not
23 as we sit here.

24 Q. How much benzene would an individual
25 absorb working an eight-hour day at one part per
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

129

1 million?

2 A. Three million micrograms.

3 Q. Three million micrograms?

4 A. Yes. I actually calculated-- I

5 actually used that in one of my calculations. On the
6 order of three million micrograms per day or one part
7 per million. I'm sorry. Did you say one or a
8 hundred?

9 Q. One.

10 A. One would be on the order of thirty
11 thousand.

12 Q. That would actually be absorbed?

13 A. If one assumes complete absorption,
14 which is an overestimation, but for the sake of
15 argument you can assume, I think, it's reasonable for
16 comparison purposes, thirty thousand micrograms per
17 day. And it's probably overly conservative in the
18 sense that that assumes inhalation of about ten cubic
19 meters of air in an eight-hour day, and that's
20 probably high.

21 Q. Ten cubic meters is probably high?

22 A. Yes.

23 Q. What rate of absorption a=a you
24 assuming, a hundred percent of what's breathed?

25 A. For the sake of simplicity, yes.

AVERY/WOODS REPORTING SERVICE, INC. ~303) 825-6119

130

1 Q. Do you know what studies have been done
2 to study what amount of benzene has been absorbed by
3 inhalation?

4 A. If one looks at animal studies, one can
5 obtain a fairly good estimate. At that level, you're
6 probably talking on the order of somewhere between
7 eighty and a hundred percent.

8 Q. Do you know of any human studies that
9 have been done?

10 A. No. For the simple reason that it's
11 not ethical to expose individuals to levels of
12 benzene in particular, although I think that
13 exposures at the OSHA level are certainly possible
14 and practicable, and that individuals are exposed to
15 levels that are similar to that or at least
16 measurable in other situations.

17 Q. What is your concept of
18 bioavailability?

19 A. Bioavailability is a major tenet of
20 pharmaceutical development, and that is the amount of
21 a chemical or a drug that is actually available for
22 absorption into the body following introduction,
23 whether it's in the gut, on the skin, through
24 inhalation. Bioavailability is the amount of the
25 material that is actually taken in by the body, and
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

131

1 it is usually some fraction of the total to which an
2 individual is exposed.

3 Q. How is that measured?

4 A. There are a number of ways of going
5 about measuring it. The most-- I think the most
6 realistic and accurate approach is to look at the
7 nature of the product and to measure how much of it
8 is available. And there are a couple of ways of
9 doing it.

10 From a worst case point of view, the
11 best way to look at and most likely way, most
12 accurate way to look at bioavailability is to
13 characterize the release of a substance in the
14 physiologic solution based under conditions that are
15 likely to be found where the product is, whether it's
16 the mouth, the stomach, the lungs, the gut, what have
17 you, under conditions that mirror the Ph, time
18 duration of transient physiological conditions. One
19 can use animal data, but that's basically an extra
20 population.

21 Q. Are there any models, established
22 models, that have been established in the scientific
23 community for such a physiological approach?

24 A. The pharmaceutical industry looks at
25 bioavailability all the time. It is a frequent
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-519

1 component, if not a necessary component, in the
2 submission of pharmaceutical preparations for
3 registration of drugs with the-- certainly with the
4 US FDA and ostensibly world-wide.

5 Q. How do they do that?

6 A. There may be animal studies. There
7 usually are animal studies. There are also studies
8 that look at individual formulations in the
9 variations in either extractability or variability of
10 various preparations.

11 Q. My question was: Are there any
12 established models whereby a physiological model is
13 created to test bioavailability?

14 A. Bioavailability is usually unique to a
15 given preparation or formulation. Because of that,
16 individual character, individual parameters that are
17 used to determine what, in fact, is an appropriate
18 model of bioavailability are case specific.

19 That's similar to many aspects of
20 pharmaceuticals and drug development. The individual
21 experiments, the individual results are specific to
22 the agent in question and formulation in question.
23 They aren't applied across the board to everything,
24 but in fact are evaluated on a case specific basis.

25 Q. So there's no accepted model

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

133

1 established in the scientific-

2 A. There are models that are used all the
3 time. To say that there is a single accepted model
4 is, I think, misleading, because there is no model
5 that is going to be appropriate for every given
6 situation or every given formulation.

7 Q. Now, earlier you said that-- I believe
8 you said that would be a worst case way to test
9 bioavailability. Is that what you said?

10 A. Yes, I believe so.

11 Q. What would a better way to test it?

12 A. Well, one way to look at
13 bioavailability which is done in some cases is in the
14 process of evaluating and range finding for doses in
15 humans is one way to look at bioavailability.

16 Q. By measuring the dose absorbed by a
17 human?

18 A. Yes.

19 Q. Have you ever done a bioavailability
20 study?

21 A. Personally, in the context of support
22 for drug registration, no. In the context of
23 providing experimental parameters for experimental
24 design, I've done studies that are comparable to
25 bioavailab_ility in the past. I haven't done any
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

134

1 recently.

2 Q. Have you ever administered-- been
3 involved in any tests administering drugs or
4 chemicals to individuals to test bioavailability?

5 A. No.

6 Q. Are you aware of any ethical standards
7 which would apply to administering drugs or chemicals
8 to humans?

9 A. That sounds to me like an oxymoron.

10 I'm sorry, but standards aren't ethics. Standards
11 are basically regulatory policies. Ethics, I mean if
12 you're going to talk about-

13 Q. I said ethical standards.

14 A. Well, there are clearly questions
15 related to the ethics of administering certain types
16 of substances to people. That is an ongoing
17 controversy in medicine and science today.

18 Q. Are there ethical considerations which
19 you are aware of which would apply to situations
20 where one wanted to administer drugs or chemicals to
21 humans?

22 A. There are certainly procedures and
23 paradigms that are predicated on ethics that are used
24 in evaluating and administering drugs to humans, also
2S chemicals. There are controversies related to the
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

135

1 administration of chemicals to humans that have no
2 known benefit. That is a continuing issue in the
3 toxicology field and probably will persist for many
4 years to come.

5 Q. Is that also related to the potential
6 harm from the chemical or drug administered?

7 A. If it's an ethical issue, it is not
8 necessarily related to harm at all. I think that
9 certainly, if we're dealing with a substance like
10 benzene, the ethical question of can you
11 intentionally administer benzene to an individual is
12 totally separate and distinct from whether or not it
13 would have any adverse health effect.

14 There's no evidence, as I said before,
15 that exposure to individuals at the level of the OSHA
16 standards have any adverse health effect. One could
17 design a study to look at absorption and
18 pharmacokinetics of benzene following administration
19 of the equivalent of eight-hour, one ppm
20 time-weighted dose without any expectation of
21 producing adverse health effects. However, there, in
22 fact, very well could be and there would be
23 individuals who would say that that was unethical.

24 Q. Would you be one of those individuals?

25 A. No. But I--

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

136

1 Q. You don't think it's unethical to
2 administer benzene to humans?

3 A. I don't think that it would be strictly
4 unethical to administer benzene to an individual in
5 the context of an informed experimental study that
6 could provide information that related to its
7 distribution or metabolism in doses that are not
8 associated with adverse health effects. By the same
9 token-

10 Q. You said "informed." Do you mean-

11 A. Excuse me. I haven't finished my
12 answer. By the same token, I recognize the ethical
13 question, and I recognize that there are opinions
14 that differ with that. There are also individuals
15 who would agree with me. It is a controversy.

16 Q. Is benzene a carcinogen?

17 A. Yes, obviously.

18 Q. Would it be ethical to administer a
19 carcinogen to individuals if they were uninformed?

20 A. The issue of ethics and the issue of-
21 the issue, as I have been trying to say, that is a
22 very controversial area or arena. There are
23 individuals who would agree with me that the
24 intentional administration of a substance for purposes
25 of gaining valuable information with respect to
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

137

1 science is not unethical if it's done in an informed
2 context.

3 What you have to keep in mind, this is
4 an issue related to the ethics dealing with the
5 intentional administration. The fact is we're all
6 exposed to benzene every day.

7 If you drink water that is certified by
8 the Environmental Protection Agency as clean, meeting
9 the environmental standard, you are taking in
10 approximately ten micrograms a day of benzene. If
11 you eat, you're going to be exposed to benzene in
12 eggs, dairy products, in meats. Anything that
13 involves combustion is going to have some benzene in
14 it. So you're ingesting benzene every single day.

15 One can quantitate the amounts. A
16 purveyor of meats or dairy products I don't think is
17 committing an unethical act by providing you with
18 those products to eat, even though they have
19 benzene. So, when you get into questions of ethics,
20 I think you have to deal with issues that relate to
21 dose as well.

22 The intentional administration of
23 benzene de novo by itself is an issue that is
24 currently controversial with respect to the ethics.
25 I'll give you a very good example. One could monitor
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-611-9

138

1 the absorption and distribution of benzene following
2 administration of a dose of benzene that would be
3 less than the amount that a smoker gets in a given
4 day.

5 It's ethical to have a smoker smoke and
6 measure benzene absorption or distribution. It is
7 unethical or some people would consider it unethical
8 to give that person the same dose of benzene by
9 itself or a lesser dose of benzene by itself.

10 Q. Do the ethics turn on the-- you
11 indicated that-- would it be ethical to administer
12 benzene to someone for no known scientific purpose or
13 with no perceived benefit to the advancement of
14 medicine or science?

15 A. By itself, no. As a product of-- as a
16 function-- I mean the intentional administration of
17 benzene by itself, no. But the administration of
18 benzene in the context of its presence in foodstuffs
19 or drug products, I don't think there's-- that's an
20 issue.

21 The fact is benzene is present in a
22 great many different things. We're exposed to it
23 every day. There's no such thing as zero. There's
24 no such thing as no benzene. The question is how
25 much is there, and whether it comports with or is
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

139

1 consistent with a dose of benzene that is not
2 encountered in everyday life or that's associated
3 with levels that are-- could produce adverse health
4 effects.

5 The issue we've been talking about with
6 the intentional administration of benzene is an issue
7 now, because there are many very-- there are many
8 individuals right now in the scientific and medical
9 communities that are proposing precisely that, the
10 intentional administration of benzene at doses
11 sufficient to allow us to do reliable measurement of
12 its distribution, metabolism in humans. Some of
13 those individuals are exceedingly well regarded in
14 the scientific and medical communities.

15 Q. Who are they?

16 A. Bernie Goldstein at Rutgers is one who
17 is an advocate.

18 Q. What levels of benzene is he advocating
19 administering?

20 A. Probably on the order of the OSHA
21 standards.

22 Q. One part per million?

23 A. Yes.

24 Q. Would he administer this to unknowing
25 individuals or would he inform them?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 A. Those studies would obviously be
2 scientifically designed experiments.

3 Q. Would it be unethical to do the
4 experiment without informing the recipients of
5 benzene that they're receiving a carcinogen?

6 A. For those experiments, no. But those
7 are designed experiments with the express intention
8 of administering benzene and only benzene for the
9 purpose of the study. That's totally separate than
10 the dairy farmer who produces milk that may contain
11 trace amounts of benzene.

12 Q. How much benzene is in milk?

13 A. I'm not precisely sure. I'm more-- I'm
14 more confident about the amount that's present in
15 eggs. Eggs have a fairly high concentration of
16 benzene.

17 Q. What is the content of eggs?

18 A. I think I calculated it once. I think
19 that, depending upon who you believe in terms of the
20 analytical measurements, that a boiled egg contains
21 somewhere between ten and fifty micrograms of
22 benzene. But, again, I wouldn't swear to those
23 numbers. I think the range is probably safe.

24 The EPA has measured the average intake
25 of benzene for an individual who is not a smoker or
AVERY/WOODS REPORTING SERVICE, INC. (303) 82S-6119

141

1 not exposed to smoking in an occupational setting,
2 and it varies. Various studies I've seen suggest the
3 range of background levels of benzene intake is a
4 hundred and fifty to eight hundred micrograms a day
5 for everyone of us. If you're a smoker, you can
6 multiply that by about three or four.

7 Q. It's not possible to produce eggs
8 without benzene, is it?

9 A. I don't know. It's possible to produce
10 eggs without cholesterol.

11 MR. WHITNEY: I'm not going to qualify
12 the doctor as a poultry expert, so-

13 MR. WILLIAMS: Do I have your promise
14 on that?

15 Q. (BY MR. WILLIAMS) Could you conceivably
16 do a bioavailability study of benzene available from
17 Orafix Special by placing Orafix Special in people's
18 mouths and then attempting to measure the benzene,
19 labeling the benzene, and then measuring the effect
20 on the person?

21 A. I think that the design of that
22 experiment would be cumbersome and probably less
23 accurate than determining the worst case scenario.
24 That is the amount of benzene that's released in a
25 physiological solution under the conditions that
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

142

1 we're likely to find in the mouth or elsewhere.

2 Q. Could you do it though?

3 A. Pardon?

4 Q. Could it be done?

5 A. I think it would be less reliable than

6 the worst case methodology.

7 Q. That's not my question.

8 A. Could you do it, could you do a bad

9 experiment? Yes.

10 Q. You could do that experiment?

11 A. You could do that experiment. It would

12 not be a good experiment.

13 Q. Would it be ethical to do that

14 experiment, not tell them you were giving them a

15 carcinogen?

16 MR. WHITNEY: Objection.

17 A. If you were doing an experiment for the

18 purpose of measuring benzene, under current standards

19 of ethics in human use or experimentation, one would

20 be required to tell them what you were doing, why you

21 were doing it, and what you were going to measure.

22 Therefore, you could not intentionally give them

23 anything, even if in fact it was candy, if you do not

24 tell them what the purpose is and what it is you're

25 trying to measure.

AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

143

1 Q. (BY MR. WILLIAMS) I digressed a little
2 bit, but I asked you questions about levels. I don't
3 believe I ever got an answer to the question of do
4 you have an opinion that there is a known safe level
5 of exposure to benzene at which bone marrow toxicity
6 will not occur.

7 MR. WHITNEY: Objection. I think the
8 question has been asked and answered.

9 MR. WILLIAMS: It wasn't answered.

10 A. I believe there is no evidence that
11 would support a causal relationship between chronic
12 exposure to benzene at one part per million and any
13 adverse health effects in humans. I think that
14 there's no evidence that exposure to ten parts per
15 million, which is above current regulatory standards
16 even in an occupational setting, would produce ill
17 effects.

18 There is controversy associated with
19 that exposure between twenty-five and thirty parts
20 per million, although there's no reliable data. I
21 already discussed what the level of evidence is
22 associated with exposures of fifty parts per million
23 to a hundred parts per million. Somewhere between
24 fifty parts per million and a hundred parts per
25 million ambient exposure there's a probably a level
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

144

1 of benzene that produces a demonstrable effect.
2 Whether that's associated with any adverse health
3 effects, we have no information that would allow us
4 to reach an opinion.

5 I think at the OSHA standard and at
6 concentrations less than the OSHA standard there's no
7 reliable or demonstrable evidence of adverse health
8 effects associated with exposure at that level.

9 Q. (BY MR. WILLIAMS) Is it your opinion
10 that there is a safe level below which bone marrow
11 toxicity will not occur?

12 A. I am saying that there's no evidence to
13 suggest that that level of exposure is associated
14 with any adverse health effects.

15 Q. But are you saying that there is a safe
16 level below which-

17 A. If your definition-

18 Q. =- no known bone marrow toxicity will
19 occur? Let me finish my question.

20 A. If your definition of "safe" is that
21 there are no adverse health effects, then we can use
22 the word "safe". What I am saying is that there are
23 no adverse health effects that have been demonstrated
24 associated with benzene exposure at that level.

25 Q. But what I'm asking you for is your
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 opinion as to whether or not there is a known safe
2 level of exposure to benzene at which bone marrow
3 toxicity will not occur, and, if there is, I want
4 your opinion as to what that safe level is, below
5 which bone marrow toxicity will not occur.

6 A. I think I've answered that, but I will
7 say it once more. Certainly, at the level of one
8 part per million, there is no evidence that repeated
9 exposure, chronic exposure to one part per million in
10 air will produce any adverse health effects,
11 including bone marrow toxicity.

12 I do not believe that one ppm is the
13 demarcation between an exposure to benzene that would
14 produce toxicity and one that won't. One ppm I
15 believe does not result in any adverse health effects
16 including bone marrow toxicity. The precise point at
17 which chronic exposure can lead to the evolution of
18 bone marrow toxicity, I do not know precisely what
19 that concentration is.

20 Q. Is it fair to say you also do not know
21 at what precise point the exposure to benzene will
22 not lead to bone marrow toxicity?

23 A. As I've said before, science cannot
24 prove a negative. I can't answer that question.

25 Q. Would you be speculating to give a
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 point at which-- below which bone marrow toxicity
2 will not occur in response to benzene exposure?

3 A. With respect to a given concentration,
4 it is not speculation for me to say that there is no
5 scientific or medical evidence that will support that
6 it does occur. That I can do. What I cannot tell
7 you is what concentration of benzene is associated
8 with, what concentration is not associated with.
9 There are no studies that can prove a
10 negative. There are no studies to support that
11 exposure to one part per million will produce those
12 effects, and certainly not at lower levels.

13 Q. But you are unable to say that there is
14 a point below which bone marrow toxicity, MDS, the
15 various blood dyscrasias that we've been talking
16 about so far today will not occur; isn't that true?
17 MR. WHITNEY: Objection. This line of
18 interrogation is becoming harassment. He said you
19 can't prove a negative.

20 Q. (BY MR. WILLIAMS) So you can't give me
21 a point below which it would not occur?

22 A. I can tell you at a given concentration
23 whether there is any evidence to suggest that it can
24 occur. And I'm telling you for purposes of
25 discussion that the current OSHA standard of one part
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

147

1 per million is a concentration of exposure that has
2 not been demons-rated to be associated with any
3 adverse health effects.

4 Q. And what studies show that; you're
5 basing it on what studies exactly?

6 A. Based upon the sum total literature
7 associated with benzene toxicity. There's no-

8 MR. WHITNEY: Don't cut the doctor
9 off. He's been very patient all day now.

10 A. There's no evidence to support the
11 contention that exposure at the current OSHA standard
12 produces bone marrow toxicity.

13 Q. (BY MR. WILLIAMS) There's no such
14 evidence?

15 A. There's no such evidence that indicates
16 exposure at that level is associated with adverse
17 health effects. The evidence in the clinical
18 literature does not support that.

19 Q. You're not aware of any cases in any of
20 the studies where individuals were exposed to
21 approximately one part per million and developed
22 leukemia?

23 A. The design of retrospective studies
24 involves the selection of cohorts that are exposed to
25 a substance, and hopefully, under a control or not,
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

148

1 in any retrospective study you expect to see cases of
2 disease that appear spontaneously at the same rate as
3 they would in the general population.

4 It is, therefore, misleading to suggest
5 that in any given population you won't have
6 spontaneous disease occurring. I cannot tell you
7 that there has not been a case of leukemia or
8 myelodysplasia that has occurred in individuals
9 exposed to benzene at one part per million or less.
10 What I can tell you is it is much more probable than
11 not that, if they did, it is a spontaneous lesion and
12 not one associated with benzene.

13 Q. Have you ever testified before that
14 there is a level of exposure to benzene which you
15 would consider to be safe?

16 A. I have testified that I believe benzene
17 toxicity follows a threshold. I have discussed the
18 evidence many times with respect to the nature and
19 strength of the evidence associated with a given
20 exposure scenario, much as I have today.

21 Q. But I'm asking you, have you ever
22 testified before that there is a level of exposure to
23 benzene that you consider to be safe? That's a very
24 simple question.

25 A. I believe i have, and I believe I said
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

149

1 it today. We have been talking repeatedly about one
2 part per million. And I don't think exposure to less
3 than one part per million is of any consequence.

4 Q. So it's your opinion that exposure to
5 one part per million is safe, and that below that one
6 cannot get any bone marrow toxicity?

7 A. It's my opinion that exposure to one
8 part per million will not produce bone marrow
9 toxicity, and certainly exposure less than that is
10 not associated with bone marrow toxicity.

11 Q. Is it your opinion that that is safe?

12 MR. WHITNEY: Objection.

13 Q. (BY MR. WILLIAMS) At that level?

14 MR. WHITNEY: He just answered it.

15 A. I believe I've answered that.

16 MR. WILLIAMS: Read the question and
17 answer back, please.

18 (Whereupon, the reporter read the
19 question on page 149, line 4, and the answer on page
20 149, line 7.)

21 Q. (BY MR. WILLIAMS) Would you consider
22 one part per million to be a safe level of benzene
23 exposure?

24 MR. WHITNEY: Objection. He answered
25 the question.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

150

1 Q. (BY MR. WILLIAMS) Do you consider-- is
2 it your testimony-- I just want to make sure we have
3 it all straight here-- that exposure to benzene
4 levels of one part per million is safe, and below
5 that level there would be no chance of having bone
6 marrow toxicity?

7 MR. WHITNEY: Objection again. You
8 can answer one last time, and after that I'm going to
9 instruct you not to answer, because that is
10 harassment.

11 MR. WILLIAMS: Well, as long as we get
12 an answer to the question.

13 A. I believe that, based upon all the
14 evidence that we've discussed today that I've
15 referred to with respect to what we know about
16 benzene toxicity, bone marrow toxicity, that the
17 chances of developing a particular manifestation of
18 bone marrow toxicity in an individual exposed to one
19 part per million is the same chance of an individual
20 who is exposed to any concentration of benzene or no
21 benzene. It's the same. There's no evidence to
22 suggest that that level of exposure is associated
23 with bone marrow toxicity.

24 Q. (BY MR. WILLIAMS) Do you consider that
25 to be a safe level of exposure as a toxicologist?
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

151

1 A. If you define "safe" as meaning that
2 there are no adverse health effects associated with
3 that exposure, then I can accept your definition of
4 "safe".

5 Q. I thought your definition of "safe" is
6 a level below which no bone marrow toxicity will
7 occur?

8 A. Well, I've said that probably ten times
9 this afternoon.

10 Q. As a toxicologist, can you state that
11 the level of one part per million ambient exposure is
12 a level below which there would be no bone marrow
13 toxicity?

14 A. I'm saying that there is no evidence
15 that that level of exposure could produce bone marrow
16 toxicity.

17 Q. Can you or can you not, as a
18 toxicologist, say that there is a safe level below
19 which bone marrow toxicity couldn't be produced? It's
20 a yes or no question.

21 A. The nature of scientific inquiry and
22 evidence requires that one, in fact, use data and
23 evidence to support an opinion. I am saying there is
24 no evidence that I can point to that will allow me to
25 say that exposures at that level are associated with
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

152

1 any adverse health effect.

2 Q. But, as a toxicologist, can you
3 pinpoint a point below which there would be no
4 adverse health effects, no risk of-

5 A. I cannot.

6 Q. I'm not asking you for a recap of
7 epidemiological studies. I'm asking you, as a
8 toxicologist, about benzene, what you know about
9 benzene, and how it's metabolized, and how it affects
10 bone marrow; can you state that there is a point
11 below which adverse bone marrow effects cannot
12 occur?

13 A. You're asking me to essentially if I
14 can prove a negative, and I cannot do that.

15 Q. Can you do that-

16 A. As a scientist I cannot.

17 Q. -- as a toxicologist?

18 A. As a scientist, I cannot absolutely say
19 that something will not happen. There's a finite
20 chance that anything can happen. I'm saying there's
21 no evidence to suggest that benzene at those
22 concentrations will produce that type of an effect.

23 Q. You're basing it on the studies you
24 brought today?

25 A. And the literature associated with
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

153

1 benzene in general.

2 Q. As a scientist, as a toxicologist, you
3 cannot say that below a certain point there would be
4 no effects?

5 MR. WHITTNEY: Objection. He's
6 answered the question.

7 Q. (BY MR. WILLIAMS) Is that correct?

8 A. I've already stated what the
9 restrictions are placed upon me as a scientist in
10 rendering an opinion.

11 Q. Right. With those restrictions in
12 mind, as a scientist, as a toxicologist, you can't
13 say that there's a point below which-- there's a
14 point of benzene exposure below which there would be
15 no adverse bone marrow effects?

16 MR. WHITNEY: Objection. He's
17 answered the question. Why are you pursuing this?
18 He's answered the question. He has answered the
19 question. You don't like his answer. He's answered
20 it.

21 MR. WILLIAMS: Calm down, Dan. Go
22 ahead, Doctor.

23 A. I believe I've answered the question.

24 Q. (BY MR. WILLIAMS) Is the answer no, you
25 can't?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

154

1 A. I've stated I cannot prove a negative.

2 Q. So you can't state a point below which
3 there would be no adverse effects as a scientist?

4 A. As a scientist, I cannot tell you
5 absolutely that the sun will nor- rise in the west
6 tomorrow. But also, as a scientist, I can tell you
7 that every piece of information I know or have a: my
8 disposal would lead me to conclude that the
9 probability of that happening is virtually nil.

10 I have essentially said the same thing
11 with respect to benzene exposure at the level of one
12 part per million.

13 Q. Are there any studies which establish a
14 minimum amount of benzene necessary to cause adverse
15 bone marrow effects?

16 A. Are you talking about a cumulative
17 dose? Are you talking about an absolute amount? Are
18 you talking about a concentration?

19 Q. Any of the three.

20 A. Well, they're different. We've already
21 discussed the issues, the evidence related to chronic
22 exposure to a concentration of benzene in air of a
23 hundred parts per million, fifty parts per million,
24 what have you, if that's your definition.

25 And the answer is yes, there is

EVERY/WOODS REPORTING SERVICE, INC. !303) 825-:1-19

155

1 evidence to suggest that chronic exposure to benzene
2 at a hundred parts per million is toxic to bone
3 marrow.

4 Q. Is that a minimum? Are there studies
5 that have established a minimum level of benzene-

6 A. We've been through that.

7 Q. -- necessary to cause-

8 A. And we've been through that. That's
9 the same discussion. I've related to you what the
10 evidence is associated with exposures to a hundred
11 parts per million or greater. The evidence is less
12 reliable between fifty and a hundred-

13 Q. Okay.

14 A. -- so on and so forth.

15 Q. Are there any studies that establish a
16 minimum level of benzene necessary to-- minimum
17 amount of benzene ingested orally necessary to cause
18 a bone marrow toxicity?

19 A. One can relate-- one can relate
20 absolute amounts between-- of a substance between
21 inhalation and oral. For example, we've basically
22 done that when we discussed exposure at the one ppm
23 standard in air by OSHA. It represents about thirty
24 thousand micrograms per day, which would be the same
25 dose that you would receive if you ingested the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

156

1 thirty thousand micrograms per day. One can do it at
2 a hundred parts per million. One can do it at fifty
3 parts per million.

4 The problem that you get into,
5 depending upon what question you're asking, is that
6 the product of cumulative exposure is not necessarily
7 related to toxicity. It may roughly mirror it. But,
8 if there is a threshold, a concentration below which
9 no toxicity is seen, cumulative exposure can be
10 misleading.

11 Let me give you an example. The
12 epidemiological studies, for reasons that are based
13 on limitations in what they can measure, tend to
14 relate everything to cumulative exposure. Dr. Wong's
15 study talks about risk of leukemia below and above
16 two hundred ppm years.

17 Two hundred ppm years is a product. It
18 could represent exposure to one ppm for two hundred
19 years or exposure to two hundred ppm for one year.
20 Those are basically totally different scenarios.
21 I personally would have no concern
22 about exposure to one ppm for two hundred years.
23 Obviously, two hundred years is ridiculous. But for
24 that I would expect absolutely no relationship with
25 leukemia. On the other hand, exposure to two hundred
AVERY/WOODS REPORTING SERVICE, INC. !3~3) 825-6119

157

1 ppm for one year could be significant.

2 Now, for both of those we can calculate

3 an absolute dose. That is why, when I have evaluated

4 the potential exposure scenarios in this case, I have

5 basically expressed them in amounts per day as

6 opposed to some total number.

7 MR. WILLIAMS: Let's take a break.

8 (Whereupon, at 2:42 p.m., a recess was

9 taken.)

10 Q. (BY MR. WILLIAMS) Doctor, when were you

11 first contacted in this case?

12 A. Mid-July, I believe.

13 Q. Who were you contacted by?

14 A. Mr. Whitney.

15 Q. And what were you told at that time?

16 A. I was asked if I would evaluate the

17 medical records and information available on the

18 product Orafix, and arrive at a conclusion as to the

19 potential relationship between exposure to benzene

20 and the development of Ms. Lakie's disease.

21 Q. This was in July of '95?

22 A. Yes.

23 Q. Do you have a-- subsequently enter into

24 a retainer agreement with Mr. Whitney's firm?

25 A. I arrived at an agreement with respect

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

158

1 to serving as a consultant and expert. I wouldn't
2 characterize it as a retainer.

3 Q. Okay. When was that agreement entered
4 into?

5 A. At approximately the same time. I
6 believe he contacted me by phone first, and then sent
7 me the material and a letter outlining the issues in
8 the case.

9 Q. Did you-- is this agreement in writing?

10 A. Our agreement?

11 Q. Yeah.

12 A. No.

13 Q. Can I see your file?

14 A. (Complying.)

15 Q. Did you keep time records on your time
16 spent in this case?

17 A. Yes, partially. I have included there
18 a record from September through preparation for a
19 deposition today. I have a previous invoice that I
20 submitted and was paid that I cannot find a copy of.
21 As I sit here, I recall that that was on the order of
22 seven thousand dollars.

23 MR. WILLIAMS: Let's mark this Exhibit

24 4.

25 (Whereupon, a document was marked Irons
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

159

1 Deposition Exhibit 4 for identification by the
2 reporter.)

3 Q. (BY MR. WILLIAMS) Was the first invoice
4 through the first date on this time sheet which is
5 marked Exhibit 4?

6 A. It was up to that. I believe it ended
7 in September.

8 Q. Okay. So it's for the initial hours
9 you spent?

10 A. Yes.

11 Q. And would that include the days and
12 hours each day you spent reviewing the materials?

13 A. Yes.

14 Q. (BY MR. WILLIAMS) Do you have a copy of
15 that invoice, Dan?

16 MR. WHITNEY: Probably.

17 MR. WILLIAMS: Can I get a copy of
18 that?

19 MR. WHITNEY: (Indicating.)

20 Q. (BY MR. WILLIAMS) And what is-- is this
21 second invoice through April 8 complete?

22 MR. WHITNEY: That's not an invoice.

23 A. That's not an invoice.

24 Q. (BY MR. WILLIAMS) I'm sorry. Time
25 sheet.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

160

1 A. It doesn't include preparation. It
2 doesn't include today or yesterday in preparation for
3 this deposition, but up until then it's accurate.

4 Q. It's accurate through the 8th?

5 A. Yes.

6 Q. And, between the 8th and yesterday, did
7 you spend any time on this?

8 A. I don't believe so. If so, it was on
9 the order of a half hour or so prior to yesterday.

10 Q. And what did you do yesterday in
11 preparation for today's deposition?

12 A. Mr. Whitney-- I met with Mr. Whitney to
13 go over the essence of my opinion as it related to
14 this case, and to go over the documents that I would
15 be producing today.

16 Q. When did you meet with Mr. Whitney?

17 A. Yesterday afternoon.

18 Q. What time was that?

19 A. I think it was one o'clock or a little
20 after.

21 Q. Did you meet at your office?

22 A. Yes.

23 Q. How long did you meet for?

24 A. Till approximately 5:00 or 5:30.

25 Q. And you essentially went over the

EVERY/WOODS REPORTING SERVICE, INC. x:303) 825-6119

161

1 opinion which is part of Exhibit 3; is that a fair
2 statement?

3 A. Yes.

4 Q. Do you have any new opinions to add to
5 what you already stated in your initial opinion
6 prepared in September?

7 A. I went over further calculations I'd
8 done with respect to various exposure scenarios which
9 are not present in my report because I didn't have
10 the information.

11 Q. Is that reflected on this yellow sheet
12 with calculations in Exhibit 4?

13 A. Yes.

14 Q. Why don't you tell me what those are?

15 A. I went through a variety of estimates
16 for potential exposure to benzene associated with Ms.
17 Lakie's use of Orafix, a range of different estimates
18 based on widely different assumptions. In my initial
19 report, I based my conclusions based solely on Dr.
20 Jacobus's analysis.

21 I also performed a number of other
22 calculations and compared those levels to levels that
23 are associated with benzene toxicity.

24 Q. Can I see that?

25 A. (Complying.)

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

162

1 Q. What are your-- did you calculate the
2 absorption at various levels of assn=lions, at
3 different assumptions?

4 A. I'm not sure I understand the
5 question. What I did-- first of all, I still think
6 that Dr. Jacobus's analysis of bioavailability is the
7 most likely, the most reasonable analysis.
8 Nevertheless, I used a number of other assumptions to
9 evaluate the potential exposure scenario.
10 What I determined as a worst case
11 scenario was I assumed a hundred percent
12 bioavailability of benzene, which I think is not
13 supportable, but for purposes of assumption and
14 discussion I did that. I then determined, based upon
15 what I have been told, the worst case scenario for
16 the amount of benzene that is present in the original
17 Gantrez. I assumed a high level-- I assumed a level
18 of Gantrez in Orafix.
19 Assuming a hundred percent
20 bioavailability, I used no corrections for absorption
21 and calculated, based upon Ms. Lakie's testimony, the
22 amount of material she used over a thirty-day period,
23 translated that into grams, and calculated based upon
24 assumption of the highest level of benzene in
25 Gantrez, highest level of Gantrez in orafix, and no
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

163

1 corrections for bioavailability what the total amount
2 of benzene would be, in other words assuming that
3 every amount of benzene that could be associated with
4 the product was absorbed.

5 And I used those numbers and compared
6 those to values of exposure on a daily basis that are
7 associated with the OSHA occupational standard or
8 with adverse health effects. I then went through-

9 Q. Let me interrupt one second. Did you
10 do a daily dosage?

11 A. Yes.

12 Q. Assuming a hundred percent absorption?

13 A. Right. Based upon worst case numbers,
14 I obtained a range of exposure between nine thousand
15 and ten thousand micrograms per day.

16 Q. What's the range based upon?

17 A. Based upon-- she gave two different
18 numbers with respect to her use of a large tube or a
19 small tube, which have different amounts in them.
20 And if you use-- if you extrapolate those into days,
21 you come up with slightly different use, like between
22 two and 2.4 grams of Orafix. And I took those
23 numbers and basically used those to estimate a
24 range.

25 Q. And your range is nine thousand to ten

AVERY/WOODS REPORTI.NO SERVICE, INC. (303) 825-6119

164

1 thousand eight hundred micrograms per day?

2 A. Micrograms per day, assuming total that
3 the amount of benzene present was always at the level
4 of the highest amount present in Gantrez, the Gantrez
5 was present at fifteen percent all the time in
6 Orafix, and that there is a hundred percent
7 absorption.

8 Q. And what percentage of benzene did you
9 assume was in the Gantrez?

10 A. .3 percent.

11 Q. What did that translate to in terms of
12 the Orafix Special as in terms of parts per million?

13 A. Parts per million is a relative term.

14 I mean parts per million in Gantrez is a relative
15 term. I think it's more-- it makes more sense to
16 talk about absolute amounts.

17 Q. Okay. What does .3 percent benzene in
18 Gantrez translate to?

19 A. Well, three percent times fifteen
20 percent times two to 2.4 grams gives you basically
21 .009 to .011 grams per day of product, which is
22 equal to nine to ten micrograms per day or nine
23 thousand to ten thousand micrograms per day of
24 benzene.

25 Q. So-- I'm sorry-- you're assuming three
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

165

1 percent or .3 percent, .3 percent benzene in the
2 Gantrez, right?

3 A. You know, actually you're right. This
4 number overestimates by an order of magnitude the
5 amount that's really present, because I took the
6 three-tenths of a percent. It should have been three
7 percent, not three-tenths of a percent, so this
8 number is actually divisible by ten. It's nine
9 hundred to a thousand eighty, not nine thousand to
10 ten thousand.

11 Q. Whichever figure you use, nine hundred
12 to a thousand, nine thousand, ten thousand, or
13 Jacobus's figures, does it change your opinions or
14 your conclusions in the case?

15 A. No. No. Basically, even assuming the
16 numbers I have that have a mathematical error in
17 them, the level of exposure is less than the
18 occupational standard of an individual exposed to one
19 part per million.

20 Q. Is that pretty much the basis for your
21 opinion in the case that exposure is insufficient to
22 cause the disease?

23 A. It is one of my opinions. Causation is
24 an issue that relates to, one, the plausibility that
25 the disease is associated with the exposure at any
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

166

1 level, what the dose is, and what the likelihood is
2 the disease is caused by that exposure.

3 In this particular case, I believe that

4 5q- syndrome is an independent and distinct entity,
5 it's not associated with secondary myelodysplasia,
6 and that the incidence of that disease in Mrs.
7 Lakie's age group is high relative to the general
8 population.

9 Q. What is the incidence in her age group?

10 A. It is between seventy and seventy-nine,
11 it's approximately twenty per hundred thousand.

12 Q. Between seventy and seventy-nine?

13 A. Age group.

14 Q. 5q-?

15 A. Or the incidence of myelodysplastic
16 syndrome in total is on the order of twenty per
17 hundred thousand. The 5q- syndrome is associated
18 with individuals roughly between the ages of the
19 mid-forties through the eighties, with a higher
20 incidence that is at least reflected in the
21 literature of occurring in the fifth and sixth
22 decades.

23 Q. Okay. So I don't want to lose these
24 points as we go along. In the age group seventy to
25 seventy-nine, the incidence of MDS is twenty -c a
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

167

1 hundred thousand?

2 A. Yes, at least in one study.

3 Q. Do you have figures on what the

4 incidence would be in the sixty to sixty-nine age

5 group?

6 A. No, I don't. No, I don't. Certainly,

7 if you reflect on the studies of 5q- syndrome, the

8 typical patient is a female, age greater than fifty

9 or around, within the fifth decade or later, that

10 presents with a refractory anemia.

11 Q. Right. Just in terms of incidence, do

12 you have any figures on the incidence of

13 myelodysplastic syndrome, 5q-, in any of these age

14 groups?

15 A. For age-- for age corrected or age

16 independent, it's on the order of two to 2.9, I

17 believe, 2.3.

18 Q. In total population?

19 A. Per-- yes, or-- it's either total

20 population or males.

21 Q. Or males?

22 A. Or males

23 Q. Is that what you're talking about, MDS,

24 5q-?

25 A. No. For total MDS.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

168

1 Q. Do you know of any figures for 5q-?

2 A. For a precise number, I do not know of
3 a study that provides that information.

4 Q. Okay.

5 A. The point I was making was that Ms.

6 Lakie falls into a category that is frequently
7 reported as being associated with 5q- syndrome, that
8 its prognosis and presentation is different than that
9 that's associated with secondary myelodysplasia.

10 Therefore, apriori her disease does not
11 constitute and her presentation was not one that I
12 would find to be what you'd expect to see following
13 exposure to benzene. Had I evaluated the
14 dose-response, the data with respect to the potential
15 exposure to benzene, its end product, I also don't
16 see that, in fact, the level of exposure would
17 constitute that which is associated with the
18 production of toxicity associated with benzene.

19 Q. That was my initial question. That was
20 with respect to the OSHA standard, correct?

21 A. Yeah. The OSHA standard I used
22 strictly as a matter of comparison. I also compared
23 it with exposures we've discussed today in terms of
24 where there's varying levels of evidence to indicate
25 bone marrow toxicity, and I calculated those in terms
AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

169

1 of the absolute amount that would be associated with
2 that exposure. So those are, in fact, the
3 comparisons that I made.

4 Q. That's what you used for that aspect of
5 your opinion related to dose?

6 A. Yes.

7 Q. Okay. What medical records did you
8 review, and when did you review them?

9 A. I reviewed-- well--

10 Q. Let's pull them out. Are they all
11 there?

12 A. They're all there, and they're all
13 over.

14 Q. You mean they're all mixed up?

15 A. Yeah.

16 Q. Do you recall which medical records you
17 reviewed?

18 A. (No audible response.)

19 Q. Are these all medical records, or does
20 this also include depositions?

21 A. It includes depositions. It includes
22 everything that I received to my knowledge. I
23 included medical records, and the reports that
24 characterize basically these notes are obviously a
25 very small reflection of the medical records, but
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

170

1 those reflect the points that I made at the time I
2 read the records.

3 Q. Why don't you number these yellow pages
4 which are part of Exhibit 4, and we can refer to them
5 by page number if we need to?

6 A. (Complying.)

7 Q. So page 3, you just numbered the notes
8 you made on medical records which you reviewed?

9 A. Yes.

10 Q. Did you review the records from Dr.

11 Swerdlow and MCV?

12 A. Yes, I did.

13 Q. Did you review the records from the
14 Mayo Clinic?

15 A. I'm not sure precisely what you're
16 referring to.

17 Q. That would be-- you indicated that you
18 did in your report on September 12?

19 A. I'm just not recollecting precisely
20 which records came from which--

21 Q. Let me ask you this: Does that top
22 paragraph on page 2 of your report, does this pretty
23 much give a complete recitation of the records that
24 you reviewed?

25 A. At the time of this report, yes.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

171

1 Q. Okay. Had you reviewed medical records
2 subsequent to the report?

3 A. Not medical records per se that I
4 recall. I have reviewed additional reports and other
5 material that I've received.

6 Q. Have you received any other medical
7 records since the date of your report?

8 A. I don't specifically recall.

9 Q. Did you-

10 A. I could look through the stack here,
11 but I also don't think that what I have here is going
12 to tell me precisely when I received it.

13 Q. Okay. Do you agree with Ms. Lakie's
14 treating physicians as to the diagnosis of her
15 disease?

16 A. 5q- syndrome, refractory anemia with
17 ringed sideroblasts. Based upon the descriptions
18 I've seen, I see no reason to disagree with that
19 diagnosis.

20 Q. The diagnosis is myelodysplastic
21 syndrome, 5q-, and then with the other things you
22 mentioned, a refractory anemia, ringed sideroblasts,
23 leukopenia, hypercellular bone marrow?

24 A. At the risk of quibbling, I'd say that
25 myelodysplastic syndrome is basically the broader
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

172

1 rubric under which refractory anemia is seeded. And
2 that is why the diagnosis is there. It isn't
3 myelodysplastic syndrome and refractory anemia.

4 Q. Okay. It's myelodysplastic syndrome,
5 5q-?

6 A. 5q- syndrome associated with a FAB
7 classification of refractory anemia with sideroblasts
8 in the context of myelodysplastic syndrome. I have
9 no problems with that.

10 Q. It's under the rubric of
11 myelodysplastic syndrome?

12 A. Yes.

13 Q. Her disease is under that rubric?

14 A. Yes, it is.

15 Q. The FAB classifications are not
16 cytogenetic classifications, are they?

17 A. They're not even based upon the
18 cytopenias per se. The strict FAB classification is
19 based upon the bone marrow morphology.

20 Q. Okay. When did she-- in your review
21 and in your opinion when did she first-- when did she
22 come down with myelodysplastic syndrome?

23 A. Can I-

24 Q. Sure.

25 A. I believe there's one report by Dr.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825--119

173

1 Swerdlow that indicates that the macrocytic anemia
2 was present as early as 1988. Somewhere I may have
3 the precise date. But if, in fact, that's accurate-
4 and I have no reason to believe it isn't-- that
5 would, I think, be consistent with when it was first
6 apparent.

7 Q. The myelodysplastic syndrome?

8 A. Yes, because that's part and parcel of
9 the refractory anemia.

10 Q. Okay. Would the clonal aberration have
11 been apparent at that point?

12 A. Most likely, yes. Most likely I say-
13 I never say never in this field-- but most likely,
14 yes.

15 Q. You expect the clone to be present?

16 A. Be present at the time of diagnosis, if
17 there were sufficient numbers of cells present from
18 the clone. But, of course, there again with
19 diagnosis you expect there would be, because there
20 would be a reason for performing the test in the
21 first place, which is the symptomatology.

22 Q. Which does-

23 A. The cytogenetic, the bone marrow
24 characterization.

25 Q. Do you know when that was performed?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

174

1 A. I could find it relatively easily. I
2 don't know precisely. Wait a minute. I believe it
3 was in October.

4 Q. Which year?

5 A. '90.

6 Q. Okay.

7 A. My point is that you would most
8 frequently find it associated with the manifestation
9 of the anemia. When you expect to see it is when
10 it's most frequently seen.

11 Q. In what type of cases?

12 A. In most cases in myelodysplastic
13 syndrome, if you're going to find a clone aberration,
14 it tends to be present at the time of diagnosis.
15 It's the earliest finding with respect to a genetic
16 finding when you see it.

17 Q. Now, part of the symptoms of Ms.

18 Lakie's disease include bone marrow depression; is
19 that correct?

20 A. Well, technically that's not a
21 symptom. It's a sign. But bone marrow, yes. She
22 has an anemia, which is consistent with bone marrow
23 suppression, and she's got a cytopenia, predominantly
24 of neutrophils, which is consistent with bone marrow
25 suppression. And all of that is consistent with what
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

175

1 you usually see in secondary myelodysplastic
2 syndrome.

3 Q. Why is that?

4 A. Because, as I said before, you usually
5 see a thrombocytopenia or a lymphocytopenia, one or
6 the other or both. Those are usually the cell lines
7 that are predominantly involved.

8 In spontaneous cases of refractory
9 anemia, one tends to see neutrophils predominantly
10 involved. And that's a pattern you don't see
11 following benzene usually.

12 Q. Is this based upon diagnostic studies?

13 A. Based upon characterization of patients
14 that have appeared in the literature as well as what
15 we know about patients following chemotherapy.

16 Q. These are based on review of literature
17 that you've done?

18 A. Yes.

19 Q. You don't treat patients; is that
20 correct?

21 A. I do not treat patients. I do consult
22 in differential diagnoses of hematologic diseases
23 based on characterization of diagnoses and laboratory
24 findings.

25 Q. So you haven't treated patients with
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

176

1 5q-?

2 A. No, I have not.

3 Q. Which studies indicate that depression
4 of the neutrophils is not something that would be
5 associated with benzene exposure?

6 A. Again, you're asking me to prove a
7 negative. What I can do is point to studies that
8 show involvement of decrease in platelets and other
9 lymphocytes, with a relative sparing of neutrophils.
10 Some of the Aksoy studies demonstrate that.

11 The Goldwater study is the first
12 controlled study of exposed workers, and that study
13 characterizes absolute lymphocytopenia as one of the
14 first findings, and the relative sparing of
15 neutrophils. You'll find references to that
16 sporadically throughout the early literature on
17 benzene. And it's also consistent with what's seen
18 in the chemotherapy, where you don't have complete
19 presence of the bone marrow to begin with.

20 My point there being, if you give a
21 dose of bone marrow that ablates the bone marrow, you
22 don't have enough of anything present that you can
23 point to. And that frequently is seen following
24 inventive chemotherapy.

25 Q. Other than the study-- is the study you

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

177

1 mentioned just now Goldwater?

2 A. Goldwater.

3 Q. Are there any studies which indicate
4 that?

5 A. I believe several clinical-- at least
6 one clinical review by Bernie Goldstein confirms
7 that.

8 Q. Is that included in your materials
9 today?

10 A. No.

11 Q. Is the Goldwater?

12 A. Yes.

13 Q. Okay. Which tab is Goldwater under?

14 A. Three.

15 Q. And which Goldstein article were you
16 referring to?

17 A. One of the reviews he's written in the
18 last several years. I couldn't, as we sit here, tell
19 you precisely. This is not-- this concept is not
20 arcane. It's referred to often in the literature.
21 And I think it's reasonably well accepted by most
22 people in the field.

23 Q. Do you know what Ms. Lakie's leukocyte
24 count is now?

25 A. As we sit here, no.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

178

1 Q. Do you know what her platelet count is?

2 A. No. Certainly everything that we have
3 reviewed in terms of her medical records suggests
4 that it was normal, low normal.

5 Q. Wasn't her platelet count, in fact, low
6 in August of '95?

7 . A. Her platelet count, I didn't see any
8 numbers that were consistent with a problem with
9 platelets as in a thrombocytopenia. Relative
10 decreases in platelets relative to her initial
11 presentation I do believe I saw.

12 But I didn't see any numbers that were
13 outside-- that were basically below the range of
14 normal function, which would be certainly below fifty
15 thousand per cubic millimeter. Something in that
16 range I didn't see.

17 Q. But they were below normal range for
18 that test than one would expect to find?

19 A. Within a normal range, but not a
20 presentation-- I would say her platelets were
21 marginally normal. She certainly would not be
22 characterized as having thrombocytopenia. And that
23 is not the characterization that her treating
24 physicians gave either.

25 Q. But they were lower than normal, they
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

179

1 were lower than the normal range?

2 A. They were not physiologically below a
3 range of normal function. And I don't believe that
4 the early measurements were necessarily below a
5 normal range, depending upon what range you're going
6 to call normal.

7 Q. You're referring to the range in August
8 of '95?

9 A. Specifically in August '95 or when she
10 presented are you talking about?

11 Q. When she presented. My. question was
12 August '95.

13 A. I'd have to look at that. I'm not
14 sure.

15 Q. You don't know, as we sit here today?

16 A. I don't. I don't think it's relevant
17 to the issue.

18 Q. Why is that?

19 A. Because that's-

20 Q. When she was diagnosed with the
21 disease?

22 A. She was diagnosed with the disease in
23 basically '90. That's five years prior.

24 Q. Of what significance is that?

25 A. Actually I have noted here in October
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

180

1 of '90 her platelets were normal. That's my note
2 based upon my review of that.

3 Q. Okay. Of what significance is that?

4 A. It's just not a pattern that I think is
5 consistent with what you'd expect if-, in fact, at
6 that point in time she was being exposed to benzene
7 at levels that would produce bone marrow toxicity.

8 I'd expect to see a lower platelet level, and the
9 neutrophils to be actually spared.

10 In her case, she has lower neutrophils,
11 relative sparing of lymphocytes, relative sparing of
12 platelets.

13 Q. And low red blood?

14 A. Yes.

15 Q. And she did have leukopenia?

16 A. Marginally, yes. Her white count was
17 thirty-six hundred. That's not leukopenic by most
18 normal ranges. That's marginal. That's low normal.

19 Q. And--

20 A. She had a macrocytic anemia, a slightly
21 lower hemoglobin.

22 Q. And you do see macrocytic anemia in
23 conjunction with benzene cases, don't you?

24 A. It's one of the findings that has been
25 seen, but it's only one.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

181

1 Q. Ms. Lakie also has a functional
2 abnormality in the growth of her blood precursor
3 cells, doesn't she?

4 A. That comes with the disease.

5 Q. That's part of her disease?

6 A. Yes.

7 Q. Do you have an opinion that 5q--- that

8 MDS, 5q- cannot be caused by benzene?

9 A. I do not believe that the 5q- syndrome
10 is associated with exposure to benzene at any level.
11 The production of a 5q- chromosomal aberration in the
12 context of secondary myelodysplasia or the
13 development of AML, I've already testified to, I do
14 believe that that can be associated with benzene
15 exposure.

16 Q. What is it about 5q- which makes you

17 say that it is not associated with benzene exposure?

18 A. 5q- syndrome clearly presents a
19 different constellation, different events. There may
20 be ringed sideroblasts. It does not have the same
21 prognosis. It does not have the same rate of
22 transformation, anywhere near the same rate of
23 transformation, and has been characterized by many
24 clinicians as being totally distinct from
25 myelodysplastic syndrome associated with exposure to
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

182

1 agents that can lead to the development of leukemia.

2 Q. What is the prognosis?

3 A. The prognosis is much better. The

4 couple of studies that I have brought with me that
5 discuss the relevant survival curves actually have
6 been-- at the time of their publication were unable
7 to make complete quantitative analysis, because the
8 populations associated with 5q- syndrome didn't
9 show-- basically their survival curves have
10 flattened.

11 Q. What do you mean, they've flattened?

12 A. That, because of survival in the
13 population, it was impossible to determine what the
14 curves were, because those populations were still
15 alive.

16 Q. Do you have an opinion as to her
17 prognosis?

18 A. Only as it-- only as I have just
19 related today with respect to what I can read in the
20 literature as well as anyone else with respect to the
21 life span of individuals with 5q- syndrome that have
22 been studied. I have no personal opinion as to her
23 current prognosis is.

24 Q. You don't plan on offering an opinion
25 as to her prognosis?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6-19

183

1 A. No.

2 Q. What is the life span?

3 A. (No audible response.)

4 Q. Are you saying that it's not known what
5 the-

6 A. The survival of patients with 5q- is
7 demonstrably better than those associated with
8 myelodysplasia as secondary to exposure to
9 chemotherapeutic agents or where there are multiple
10 chromosomal aberrations present.

11 Q. Okay. Secondary MDS patients with 5q-,
12 what characteristics would they show that you claim
13 this lady doesn't show?

14 A. They tend to present with a trilineage
15 dysplasia, to begin with.

16 Q. So from the date that this disease is
17 diagnosed-

18 A. Yes.

19 Q. -- or the day that the anemia first
20 appears?

21 A. The anemia appears in the constellation
22 of the disease. And there's not a great deal of time
23 that transpires before you have the full-blown
24 characteristics that are associated with it. It may
25 even present with refractory anemia with excess
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

184

1 blasts.

2 Q. But the trilineage dysplasia presents
3 at the same time?

4 A. Usually the multiple lineage
5 involvement occurs before the anemia. You actually
6 can find thrombocytopenia or lymphocytopenia early
7 on.

8 Q. Are there studies of cases that that
9 did not happen, that it happened after the refractory
10 anemia?

11 A. I would not be at all surprised to find
12 individual cases that present with any number of
13 different variations. This is what it's my opinion
14 you are most likely going to see in that population
15 based upon what I have seen and read.

16 Q. Okay. What studies are you referring
17 to with regard to that aspect of your opinion?

18 A. The myelodysplasia literature in
19 general. Some of the Aksoy studies, Vigliani studies
20 characterize the effects of benzene.

21 Q. Did you bring those with you?

22 A. Not all of them, no.

23 Q. Which of those did you bring with you
24 that are relative to that aspect of your opinion?

25 A. This is going to take a little bit.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 Q. If you want to name them by-- I think
2 that's the biggest problem.

3 A. Certainly the involvement of the
4 prevailing presentation of absolute lymphocytopenia
5 is referred to quantitatively in the Goldwater
6 article that I referred to.

7 Q. That was tab 3?

8 A. Yeah. That was probably the first
9 clinical characterization of that associated with
10 exposure to benzene. The myelodysplastic literature
11 in general related to secondary exposure to
12 chemotherapeutic agents. There are any number of
13 articles that I could point you to.

14 They're referenced in my book chapter,
15 in this article, but I didn't bring them all with
16 me. And the Kipen article refers again to the early
17 work by Greenburg. And it talks about print shop
18 workers with varying degrees of thrombocytopenia,
19 demonstrating the effect on platelets.

20 Q. Is that article included?

21 A. Yes. Certainly the references to the
22 first description of the 5q- syndrome disorder is
23 characterized by longstanding regenerative, slightly
24 macrocytic anemia that's a refractory anemia with
25 lower hemoglobin values-- I'm paraphrasing-- low to
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

186

1 normal lymphocyte count, and low to normal platelets.

2 Q. Which article was that?

3 A. That's number 12.

4 Q. Who is the author?

5 A. The author is Van Den Berghe, et al.

6 Another article by Van Den Berghe discusses some of

7 the characteristics of 5q-, and separates them into

8 two groups, one based upon simply 5q- as the sole

9 anomaly, and the other with patients that present

10 with that as well as additional chromosomal

11 abnormalities, and characterizes differences between

12 them. Platelet count is normal. Median granulocyte

13 count is low normal.

14 Q. Which article is that?

15 A. It's another Van Den Berghe article.

16 It's number 13 in the series.

17 Q. Which page are you referring to?

18 A. Discussion begins on 192.

19 Q. Okay.

20 A. It actually characterizes a number of

21 characteristics in the distribution within the

22 population for blood cell count, platelets,

23 granulocytes, red cells, and age distribution. It

24 does discuss age. The median is sixty-six years.

25 I should tell you that I -pink the

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

187

1 clinical and morphologic findings and the pattern of
2 findings is only a point with respect to
3 distinguishing the 5q- syndrome from secondary
4 myelodysplastic syndrome. I think the evolution of
5 the disease, the prognosis, and the rate of
6 transformation is far more important than whether a
7 given individual presents with one finding first or
8 another second. That can also be an artifact of when
9 they're diagnosed in terms of the presentation of
10 their disease.

11 Q. Certainly.

12 A. Again, white count lower normal,
13 platelet count normal or elevated, by Sokal, et al.
14 It's my number 14.

15 Q. Mrs. Lakie's platelet count was normal,
16 wasn't it?

17 A. It was normal at the time of diagnosis.

18 Q. I'm sorry. Which article was that one
19 you just mentioned?

20 A. Sokal was number-

21 Q. Sokal?

22 A. Yeah. It's number 14.

23 Q. Okay.

24 A. The Dewald paper as well-- it's number
25 16-- separates on the basis of prognosis and

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

188

1 progression patients with 5q- from patients that have
2 multiple abnormalities or Sq- plus additional
3 abnormalities.

4 Q. Describes a different prognosis and
5 progression for those patients?

6 A. Yes.

7 Q. If they have more than one abnormality?

8 A. Yes. And the characteristics of the
9 patients are different, too. Platelet count is much
10 lower in those that have additional abnormalities.
11 The macrocytic anemia, curiously enough, is higher in
12 those that just have Sq- syndrome.

13 Those are the only ones that I
14 brought. Jandl's text also describes some
15 differences, but it's not-

16 Q. Jandl?

17 A. Yes. It's a book chapter.

18 Q. In addition to what you've mentioned,
19 what other factors do you consider to be important in
20 distinguishing Sq- from secondary MDS?

21 A. The prognosis with respect to
22 transformation is very significant.

23 Q. You mean it's quicker if it's secondary
24 MDS?

25 A. It's also more likely to occur by
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

189

1 virtue of the order of magnitude, ten percent
2 incidence of transformation in 5q-, and eighty to
3 ninety incidence of transformation in secondary
4 myelodysplastic syndrome. It's a huge difference.

5 Q. And did you bring your articles which
6 indicate that ten percent transformation?

7 A. Those are included with in the articles
8 that I have discussed and that I brought today.

9 Q. And-

10 A. As a matter of fact, I think the Jandl
11 book chapter summarizes it quite well. Patients
12 having refractory anemia with excess blasts plus
13 nonlobulated megakaryocytes are at risk of
14 transforming to AML, but the eventual incidence of
15 AML, as I indicated, is well under ten percent.

16 Q. Do those same articles you've already
17 cited also include or are those the articles which
18 discuss the eighty to ninety transformation from
19 secondary MDS to acute leukemia?

20 A. Some of them do. There are others,
21 too, with respect to secondary myelodysplastic
22 syndrome.

23 Q. Is that what you've brought with you
24 today?

25 A. Not all of them have I brought with me
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

190

1 today. I did not bring all those with me. They are,
2 however, cited in that, if not the book chapter
3 brought.

4 Q. Tab 19 on the book chapter?

A. Yes.

6 Q. In addition to the presentation and the
7 transformation to acute leukemia, are there any other
8 distinguishing characteristics in your opinion?

9 A. The frequent presentation of other
10 aberrations, the rate of the transformation.

11 Q. In addition to those?

12 A. We've already discussed the pattern,
13 usually the pattern involvement of lineages of cells
14 at the time of diagnosis. That's basically-- those
15 are basically--

16 MR. WHITNEY: Did you mention the
17 timing?

18 THE DEPONENT: We mentioned it
19 earlier.

20 MR. WHITNEY: Oh.

21 A. The timing, you know, the timing is
22 relatively-- for myelodysplastic syndrome that is
23 secondary to bone marrow toxicity, the transformation
24 not only occurs more-- with increased incidence, but
25 it occurs within a matter of months to a year or so.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

191

1 It's usually very quick.

2 Q. (BY MR. WILLIAMS) Are you talking about
3 the transformation?

4 A. Yes.

5 Q. Is this included in the articles that
6 you've discussed?

7 A. Yes.

8 Q. Other than the pattern, other than the
9 transformation and the presentation, you mentioned a
10 pattern of involvement of cell lineages?

11 A. Yeah.

12 Q. How would that be different in MDS,
13 5q-, and secondary MDS, 5q-?

14 A. Well, again, generally the same, as I
15 said before. And, as I said, it's not a hard and
16 fast rule, but in fact you do see more frequent
17 involvement certainly following benzene.

18 Lymphocytopenia is very frequent, absolute
19 lymphocytopenia.

20 Q. We're talking about diagnostic
21 criteria?

22 A. Yes.

23 Q. And, as you mentioned, the timing of
24 the diagnosis plays a part in what factors are noted
25 in a person's medical record, don't they

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 A. With respect to whether they present,
2 that's true. It's conceivable that a person might
3 not-- it is true. The timing is going to provide
4 some artifact with respect to what you might see.
5 But you have to keep in mind that the
6 frequency of progression for 5q- syndrome is very,
7 very small, whereas the frequency of progression for
8 myelodysplastic syndrome is very, very high. And so
9 timing is going to be a relatively small factor with
10 respect to that. And it's not likely to confuse what
11 you see in terms of characterization of diagnosis in
12 the literature, because that progression is
13 ultimately associated with terminal transformation or
14 death associated with myelodysplastic syndrome. So
15 survival is different as well.

16 Q. Would the lesion be different for
17 secondary MDS, 5q-, and MDS, 5q-?

18 A. That is a very interesting question.

19 At this point nobody knows. Janet Rowley at Chicago
20 has a hypothesis that suggests that the lesion
21 associated with 5q- secondary to exposure to
22 chemotherapeutic agents involves the deletion of an
23 undiscovered tumor suppressor gene somewhere within
24 the 5q31 region or area. One could surmise that in
25 fact that is the -- that is associated with a
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 different prognosis.
2 At this point in time, our approach is
3 to characterize what's associated with altered
4 function in cells that express the 5q-. So there are
5 a number of different hypotheses that are put
6 forward. Haplo insufficiency as a function of
7 deletion of one of the chromosomes has been
8 hypothesized.
9 I've included that in the model that I
10 presented in that paper as a potential selection
11 mechanism in the process. Whether that's distinct
12 between 5q- syndrome and the involvement of secondary
13 myelodysplastic syndrome I don't think is clear, but
14 it certainly is a progression. If, in fact, it
15 occurs, it must be because 5q minus has a very low
16 rate of progression, incidence of progression.
17 There are a number of theories that
18 have been put forward to suggest that there are, in
19 fact, differences. At this point we do not have a
20 clear picture of structurally what they are.
21 Q. So it's uncertain?
22 A. Yeah.
23 Q. At this time?
24 A. It's uncertain at this time. It's
25 clear that there are differences in the prognosis, so
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5_19

1 there must be something different about it. One of
2 the characteristics that is most likely associated-
3 that certainly is associated with it is the very high
4 frequency of involvement of other chromosomes in
5 secondary 5q- does occur.

6 Keep in mind, when we talk about the
7 numbers and I present a study-- I present a summary
8 of some of the studies in here that show the
9 differences, the numbers, the index in here, you see
10 involvement of 5, 7, 5q-, 7q-, or both, and you
11 compare cross-studies for de novo versus secondary,
12 there is a very, very high rate associated with
13 secondary.

14 But, even in a study where you have a
15 ninety-two percent incidence in secondary, you have
16 to keep in mind that that only represents about a
17 thirty percent involvement of 5, that 7 is involved
18 forty to fifty percent of the time, and it's both of
19 them together where you see such a high number. But
20 5 is involved to a lesser extent than 7, and
21 certainly 5 by itself occurs to a lesser extent than
22 any of the others in secondary.

23 Q. 5q- does occur by itself, though, in
24 the secondary MDS leading to MDL?

25 A. To a certain extent, yes, it does.

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

195

1 Q. 5q- occurs by itself without any other
2 cytogenetic abnormalities?

3 A. It can occur, yes, and has been
4 described. But it's the less frequent presentation.

5 Q. Okay. Is there anything else in 5q
6 syndrome that you distinguish from secondary MDS
7 other than what we have been discussing?

8 A. Frequently association with benzene
9 exposure at high levels, but that's-- that's
10 intrinsic to the discussion we've had today.

11 Q. Well, both occur in conjunction with
12 benzene exposure?

13 A. I do not believe 5q- syndrome has been
14 associated with elevated benzene exposure. I
15 certainly concur that secondary myelodysplastic
16 syndrome is associated with benzene exposure.

17 Q. Give me your precise definition of 5q
18 syndrome for purposes of your last statement.

19 A. 5q- syndrome is an idiopathic
20 refractory anemia that's associated with primarily
21 individuals of advancing ages, the median incidence
22 probably on the order of the mid-sixties, between
23 fifty and seventy or eighty. It tends to be
24 associated with a macrocytic anemia, more frequent
25 among women than men, and it has a relatively low
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

196

1 rate of transformation into acute myelogenous
2 leukemia relative to other myelodysplastic syndromes.

3 Q. You're defining it as idiopathic,
4 though, aren't you, which takes it out of the realm
5 of being caused by-- possibly being caused by a-- I
6 don't want to say mutant-

7 A. What I just gave you is a definition
8 you can read from many sources with respect to what
9 the 5q- syndrome is. When you see 5q- syndrome,
10 which is a syndrome within that constellation of
11 findings and in that particular group of individuals,
12 you see it referred to as idiopathic.

13 Q. And 5q- has been defined since what
14 time?

15 A. I included one of the earlier
16 references-- I'm not sure it's the earliest, but I
17 think it's very close to that-- as being described
18 published in 1974. Myelodysplastic syndrome really
19 wasn't recognized by the FAB, with a constellation of
20 defined criteria for diagnosis, until 1983. I think
21 it was 1983-- 1980, 1983.

22 Q. Haven't most of the epidemiological
23 studies-- weren't they performed prior to the FAB
24 classification and the articulation of the 5q
25 syndrome?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

197

1 A. Well, not 5q- syndrome. Myelodysplastic
2 syndrome, yes. And I referred to that earlier.
3 That's one of the issues or problems in defining
4 myelodysplastic syndrome that is associated with
5 benzene exposure.

6 There are those, especially from an
7 epidemiologic perspective, who would say that 5q-
8 that myelodysplastic syndrome hasn't been associated
9 with benzene exposure, but the preleukemia that's
10 been described in the literature for years is
11 consistent with myelodysplastic syndrome, as are the
12 constellation of effects that are seen associated
13 with chemotherapeutics in the evolution of AML,
14 wherein you clearly have myelodysplastic syndrome as a
15 precursor.

16 Q. And you know of no literature
17 indicating cases of 5q- syndrome as being caused by
18 benzene?

19 A. I don't believe-- I've told you I don't
20 believe that 5q- syndrome is associated with
21 benzene. I do think myelodysplastic syndrome as a
22 secondary consequence of exposure to benzene occurs.
23 Now, it can involve 5. I said that. But 5q
24 syndrome is a separate entity.

25 Q. Well, defining it so that it cannot be
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

198

1 possibly caused by benzene-- let me rephrase the
2 question.

3 Do you know whether or not there are
4 cases describing patients with symptomatology similar
5 to Ms. Lakie's that have been thought to have
6 incurred their disease process from benzene exposure?

7 A. If you say "have been thought to," I
8 would be safe in saying yes to just about anything
9 having been published as a hypothesis if, in fact,
10 and there are for a fact-- I don't know if I brought
11 any-- discussions of individuals who present with a
12 transient or persistent cytopenia involving one cell
13 type that don't progress or go on to anything else.
14 We don't have cytogenetics on them.

15 Chances that they are, I think it's
16 unlikely that we're looking at 5q- or in most of
17 those, because the presentation doesn't look like
18 that which you see in Sq- syndrome, but it's
19 conceivable. What I'm saying is that the 5q
20 syndrome that is seen as being described in these
21 studies is a separate entity with a totally different
22 prognosis than myelodysplastic syndrome, that there's
23 no evidence to suggest that that's associated with
24 benzene exposure.

25 Q. But are there cases of patients

AVERY/WOOS REPORTING SERVICE, INC. (303) 325-5119

1 presenting with the symptomatology that Ms. Lakie has
2 presented with who have been considered to have
3 acquired that disorder from benzene exposure or from
4 chemical exposure?

5 A. If you say "symptomatology," and you're
6 talking about a macrocytic anemia with lineage
7 involvement and one or more cell types, that clearly
8 has been associated with benzene exposure. Now,
9 whether or not it involves 5q-, there is absolutely
10 no basis on which to speculate, because cytogenetics
11 have not been associated with those earlier studies.
12 And, as a matter of fact, you can
13 probably call into question some of the morphologic
14 characteristics, because we're talking about
15 techniques and diagnoses that are not within the
16 rubric of the FAB or anything in the context of how
17 differential diagnosis is done today.

18 Q. Other than the fact that the
19 cytogenetics were not available for some of these
20 studies, the patients would present with
21 symptomatology which would closely parallel Ms.
22 Lakie's; is that correct?

23 A. Symptomatology that roughly parallels
24 Ms. Lakie's. Ms. Lakie's symptomatology closely
25 parallels and, in fact, is consonant with what has
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

200

1 been described in the literature with respect to the
2 5q- syndrome. That's not what is associated with
3 exposure to benzene as described in the literature as
4 generally being associated with benzene exposure.

5 Q. But there have been patients in the
6 literature which have had her symptomatology which
7 have been thought to have acquired that from benzene
8 exposure?

9 A. As I said, the general symptomatology
10 associated with bone marrow suppression has been
11 associated with benzene exposure.

12 Q. Okay. Were there any other
13 distinguishing characteristics you wanted to
14 articulate?

15 A. I think we've probably exhausted the
16 subject.

17 Q. So that would be the extent of the
18 basis for your opinion that 5q- syndrome is not
19 caused by benzene exposure?

20 A. Essentially, yes.

21 Q. Okay. I want to ask you a couple of
22 questions about your report, which should be in the
23 front of Exhibit 3. Do you plan on offering an
24 opinion as to the amount of benzene that was absorbed
25 by Ms. Lakie, or are you going to assume for purposes
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

201

1 of your opinion that a hundred percent was absorbed?

2 MR. WHITNEY: It all depends on how

3 you phrase the question to him.

4 A. I believe the most likely scenario that

5 describes the available concentration of benzene that

6 could be absorbed is that Dr. Jacobus has presented.

7 Of all the descriptions I've seen, that one, I think,

8 is the most cogent and likely. Now, having said

9 that, I am going to assume absorption within the

10 context of that model.

11 Q. (BY MR. WILLIAMS) Dr. Jacobus?

12 A. Yes. Now, by the same token, I'm fully

13 prepared to offer the same opinion based upon the

14 assumption that all the benzene that you could

15 possibly extract or pound out of that product was

16 absorbed. So the worst case does not present-- as

17 we've said earlier, is not going to change my

18 opinion.

19 Q. Does the model which Dr. Jacobus has

20 erected, has that been subject to peer review?

21 A. As it relates to this particular study,

22 no.

23 Q. Okay. You know whether it's ever been

24 used before by anyone for any purpose?

25 A. Precisely for this purpose, no. I'm

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 not aware of that.

2 Q. Is his physiological model that h`
3 constructed been used, if you know, by any other
4 scientist for any purpose?

5 A. Similar physiologic models are used all
6 the time to evaluate the potential for absorption of
7 drugs from the stomach, from the gut, and from
8 elsewhere that bear-- individual components of this
9 model are used all the time. Whether they've been
10 put together precisely for the purpose at hand I'm
11 not aware.

12 This is a very case specific issue.

13 The methodology and assumptions he made in
14 constructing his model I have seen many times. And
15 you can find it in classic textbooks on
16 pharmacokinetics, pharmacodynamics, and
17 pharmaceuticals.

18 Q. The models that he's constructed?

19 A. Components of them, components of the
20 model, the assumptions and the techniques.

21 Q. Which book would you refer me to for
22 those assumptions?

23 A. Any number of different textbooks
24 discuss issues related to the issue.

25 Q. Can you name an authoritative text on
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-:119

203

1 the subject?

2 A. I COU7 d name several. The old
3 Goldstein and Aranow text, which has now got a
4 separate set of authors, discusses various aspects of
5 this. Any text on pharmacology is going to discuss
6 absorption.

7 Q. What is the Goldstein book? Is that
8 not pharmacology?

9 A. It's Pharmacological Bases of Drug
10 Disposition, I think. I'm not certain. I could get
11 you the reference, but I don't have it at my
12 fingertips. The principal issues in there are
13 predicated on very well understood concepts.

14 Q. Any other text other than that one?

15 A. There are several. If you'd
16 like, I can provide you with those, but I can't do it
17 as I sit here right now.

18 Q. Okay. I would like. Doesn't have to
19 be a lot. One or two.

20 You are not a pharmacologist, are you?

21 A. My training is essentially that of a
22 pharmacologist. I would consider that I'm a
23 pharmacologist in many aspects. It depends on your
24 definition of pharmacology. I trained in the
25 department of pharmacology and toxicology.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

1 Q. Your pharmacology was part of- your
2 toxicology training, wasn't it?

3 A. Yes.

4 Q. Okay.

5 A. I have the same training a
6 pharmacologist has, and then additional training in
7 toxicology.

8 Q. You went to school to get a degree in
9 toxicology?

10 A. Yes. And pharmacologists, certainly at
11 the University of Rochester, took a portion of the
12 curriculum of toxicology. That's what they took. So
13 I took everything a pharmacologist took, plus
14 additional material.

15 Q. This was at Rochester?

16 A. Yes. You find the same at Colorado,
17 too, just about anywhere.

18 Q. Do you hold yourself out as an expert
19 in pharmacology?

20 A. Some aspects of pharmacology, yes.

21 Q. Have you ever been qualified to testify
22 in court as an expert in pharmacology?

23 A. I believe I probably have been
24 qualified as an pharmacologist, slash, toxicologist
25 on occasion. I believe I have, yes.

AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

205

1 Q. And that specific term has been used in
2 addition to toxicology?

3 A. I think so.

4 Q. Can you tell me the case where that
5 occurred?

6 A. Not specifically, no. The concepts are
7 the same.

8 Q. Concepts of toxicology and
9 pharmacology?

10 A. The concepts relating to absorption,
11 distribution, dose are the same.

12 Q. You're not board certified in
13 pharmacology, though, I take it?

14 A. There are no boards in pharmacology.

15 Q. I want to ask you about your estimates
16 of benzene release, and that's page 3 of your
17 report. I want to find out how you came out with a
18 figure using Jacobus's numbers. You came out with an
19 absorption figure of forty-six to a hundred and seven
20 micrograms a day, down toward the bottom of the
21 paragraph?

22 A. Yes.

23 Q. Take me through your analysis; tell me
24 how you got to that.

25 A. I believe I took it straight from Mr.
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

206

1 Jacobus's summary.

2 Q. Your understanding is that that's what
3 is his?

4 A. I believe so. That's my best
5 recollection.

6 Q. Okay. Well, let's just go quickly
7 through the figures you have here. Up at the top you
8 give three amounts for oral, stomach, and intestine
9 absorption?

10 A. Yes.

11 Q. What did you do with those figures?

12 Did you add them together?

13 A. I believe I took the-- based upon
14 residence times, I took-- I believe I bracketed
15 them. I believe that's what I did. And, as I
16 recall, I went through and checked them. I took the
17 residence times versus the amount of the variation in
18 residence times that was realistic versus the amount
19 that was released in the conditions of his analysis
20 and bracketed those.

21 Q. I don't understand what that means.

22 A. The residence times would vary to some
23 extent. There's a range. So I took the absolute
24 amount that was released as a function or the-
25 right-- as a function of the conditions, and then
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

207

1 calculated based upon differences in the range of
2 residence times that material would spend in each
3 compartment.

4 Q. Are those calculations indicated here?

5 A. No. They're in his report.

6 Q. Okay. So the first line of figures,
7 oral, one fifty-two micrograms a day, is that the
8 bracketed figure you came up with?

9 A. For varying residence times, yes.

10 Q. Okay. What I'm trying to find out is
11 how you got down to forty-six to one hundred seven
12 micrograms a day.

13 A. I think, if you look at Dr. Jacobus's
14 report, that that's the range that he gives.

15 Q. You say that this range that he gave
16 assumes a certain amount of Orafix Special used?

17 A. I'd have to go back and look at it.

18 Q. You don't recall?

19 A. I don't recall today. Obviously, yes.

20 It does. I know it does. It's implicit in his
21 design. But I don't know what it was.

22 Q. So these things are all from his
23 report?

24 A. I believe they are.

25 Q. Did you apply-- you then have a fifty
AVERY/WOODS REPORTING SERVICE, INC. !303) 825-6119

208

1 percent rate of absorption via the oral mucosa you've
2 assumed?

3 A. I assumed a fifty percent rate via the
4 oral mucosa. Now, that's an assumption. The skin is
5 clearly much less, and the stomach or intestine is
6 much more. And so I base that on the fact that it is
7 epithelial tissue. I expect it to be higher than
8 skin but lower than the gut.

9 Q. You expect it to be absorbed through
10 the oral mucosa?

11 A. There would be some absorption through
12 the oral mucosa.

13 Q. The fifty percent rate means fifty
14 percent of the benzene in the product?

15 A. No. Fifty percent of the benzene in
16 the solution will be absorbed by the oral mucosa.

17 Q. Are you assuming-- you mean saliva
18 solution?

19 A. Yes.

20 Q. Are you assuming fifty percent of what
21 Dr. Jacobus found was bioavailable would be absorbed
22 in the oral mucosa?

23 A. Yes.

24 Q. Okay. Then you added those, that
25 amount?

AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

209

1 A. Yes.

2 Q. To the amount absorbed in the stomach?

3 A. And the amount that you would expect to
4 be absorbed in the intestine. You come up with a
5 range.

6 Q. You didn't indicate what that amount
7 was here in your paragraph?

8 A. No. I-- basically I used those to
9 determine--

10 Q. Did you do calculations?

11 A. Yes.

12 Q. Do you have your notes?

13 A. No, I don't.

14 Q. Where would those be?

15 A. I'm not sure.

16 Q. Did you do any draft reports?

17 A. No.

18 Q. In this case?

19 A. No.

20 Q. Was it the same amount of exposure you
21 came up with in the Petry case?

22 A. I think it's based upon a similar
23 calculation and modeling by Dr. Jacobus. I think it's
24 comparable. Certainly, if the numbers weren't
25 exactly the same, it's very close.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

210

1 Q. Did you retain your worksheets on these
2 calculations?

3 A. No.

4 Q. Those wouldn't be on computer
5 somewhere?

6 A. No.

7 Q. In the last paragraph, your
8 conclusions-- I believe you've already gone through
9 most of this-- in your opinion you say, "It is my
10 opinion, to a reasonable degree of scientific
11 certainty, that there is no reliable evidence to
12 suggest that the plaintiff's purported exposure to
13 the available benzene in Orafix Special was
14 sufficient to cause or contribute to the development
15 of her disease."

16 Reliable evidence, are you referring to
17 the materials you brought here in your notebook,
18 which is Exhibit 3?

19 A. Yes. As well as other data in the
20 epidemiologic and toxicologic literature that relate
21 to benzene. We discussed some of those today.

22 Q. And some of those would be summarized
23 in your--

24 A. Some of them. Some of the issues and
25 some quest--ns. For instance, you have raised the
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-5119

211

1 Infante study, which I have not provided. And there
2 are other more recent studies that also look at the
3 levels of benzene associated with exposure to adverse
4 health effects. I have provided several.

5 Q. What does the Infante study indicate
6 with regard to this deposition?

7 A. It doesn't indicate anything. It
8 assumes a level of exposure that I don't believe is
9 defensible, and certainly hasn't been corroborated by
10 anyone else.

11 Q. You disagree with Infante's
12 conclusions?

13 A. I disagree with his assumptions, and I
14 disagree with his conclusions based upon these
15 assumptions.

16 Q. Which article are you referring to, the
17 Plioform study?

18 A. Certainly the risk analysis associated
19 with the Plioform study, based upon the assumed
20 exposure study, which has been repeatedly criticized.
21 I brought several articles today that characterize
22 exposure in that same population and found it to be
23 much higher.

24 Q. Are those in your book?

25 A. Yes.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

212

1 Q. Which one of these?

2 A. The Kipen articles.

3 Q. Kipin and Goldstein?

4 A. And Goldstein, Wong, Paxton. There's

5 several others, but those are the ones that I

6 brought. But, again, even the Rinsky study doesn't

7 provide material evidence that the levels we're

8 talking about here are associated with an adverse

9 effects.

10 Q. Rinsky was doing a mortality study,

11 wasn't he, studying humans?

12 A. Yes.

13 Q. I don't have, for some reason, a

14 complete copy of your CV. I wanted to ask you some

15 questions about it. Do you need to look at it, or

16 can I use yours?

17 A. Well, depends how difficult this gets

18 whether I need to see it or not.

19 Q. Hold onto it. I may not need to go

20 past page 1. I don't know.

21 You've been at University of Colorado

22 since '89?

23 A. Yes.

24 Q. Is that your primary employer?

25 A. Yes.

AVERY/WOOS REPORTING SERVICE, INC. 1,303) 825-5119

213

1 Q. What is the bulk of your professional
2 time since '89? What has it been spent doing?

3 A. Research, teaching, directing a
4 training program in toxicology, serving as leader of
5 the Cancer Causation Program for the University of
6 Colorado Cancer Center, and serving as a member of
7 the Department of Pathology in the School of
8 Medicine.

9 Q. Of these three, was the bulk of your
10 time mostly spent with the Molecular and
11 Environmental Center?

12 A. Yes, I would say.

13 Q. How much of your time?

14 A. It varies from year to year. It can
15 vary, generally-- teaching can vary-dramatically,
16 anywhere from twenty to forty percent.

17 Q. Teaching in what department?

18 A. Teaching across the board, whether it's
19 in the Department of Occupational Medicine,
20 Pathology.

21 Q. Okay.

22 A. Research can vary, usually between
23 thirty and forty percent.

24 Q. The remaining percent?

25 A. Yeah. Fifteen percent service.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

214

1 Q. What does that mean?

2 A. That's consultation.

3 Q. For litigation?

4 A. Some of it's litigation. Some of it is
5 drug development. Some of it is advisory to the
6 government.

7 Q. Who have you consulted with on drug
8 development?

9 A. Several companies.

10 Q. Which ones?

11 A. Oh, Hoffmann-La Roche, Merck,
12 DuPont-Merck, Puget-Salva (phonetic). There are
13 others. Sandoz. Sandoz is another one.

14 Q. How much of your time is spent in
15 litigation, doing litigation consultation?

16 A. It varies from year to year. Last
17 year, about fifteen percent, was probably split.

18 Q. Between-

19 A. It was probably slightly more
20 pharmaceutical than litigation. Pharmaceutical
21 development, safety evaluation was probably about
22 fifty and some percent. The year before that
23 litigation was higher.

24 Q. What percentage of your income comes
25 from litigation work?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

215

1 A. Last year it would have been about
2 probably a third, slightly less.

3 Q. How about in '94?

4 A. It was probably close= to forty
5 percent, maybe higher. I'm not sure exactly.

6 Q. You know for '93?

7 A. No. It was probably higher in '93.

8 Q. But not by fifty percent?

9 A. It probably was close to fifty percent.

10 Q. And how about '92?

11 A. It would have been about the same.

12 We're getting down into rarefied numbers. I don't
13 remember exactly.

14 Q. Is it income separate from your income
15 from the University?

16 A. Yes.

17 Q. Is your consulting income also
18 separate?

1.9 A. All of it.

20 Q. I'm sorry. Consulting with drug
21 companies, separate?

22 A. Yes.

23 Q. Do you also do consulting with the
24 American Petroleum industry?

25 A. I do consulting on safety evaluation

AVERY/WOODS REPORTING SERVICE, INC. (303)825-6119

216

1 and risk assessment for a variety of people from time
2 to time that would include companies within the
3 American Petroleum Industry. I have consulted from
4 time to time on small projects relating to bases for
5 risk assessment for the American Petroleum
6 Institute. I also do the same for regulatory
7 agencies.

8 Q. How long have you done consulting for
9 the American Petroleum Institute?

10 A. Probably, on and off, since 1989. I'm
11 not sure I consulted with them in 1989.

12 Q. And does that include consultation with
13 petroleum companies?

14 A. On issues related to, separate and
15 distinct from litigation, I'm including them all in
16 the same category, yes.

17 Q. Oh, you're including this in
18 litigation?

19 A. No.

20 Q. Oh, okay. Separate from litigation
21 have you consulted-- what petroleum companies have
22 you consulted with?

23 A. Exxon, American Petroleum Institute,
24 Amoco. That may be it.

25 Q. What chemical manufacturers have you

VERY/WOODS REPORTING SERVICE, INC. (303) 825-5-119

217

1 consulted with?

2 A. I think that basically it is in terms
3 of issues that relate to safety evaluation or
4 regulations.

5 Q. Well, are there any other issues you've
6 consulted with them on other than litigation?

7 A. Not that I recall.

8 Q. Okay. Do you know what percentage of
9 your income has come from consulting with the
10 American Petroleum Institute or any of those
11 companies?

12 A. I'd be surprised if it's one percent.

13 Q. Is that fairly consistent over the-

14 A. Yeah. It's a very low number. My
15 consultation with Exxon is recent, and that may
16 represent the slightly higher number. It might be on
17 the order of a few percent.

18 Q. That's for '95?

19 A. I believe so, yes.

20 Q. What number are we talking about?

21 A. What do you mean?

22 Q. What amount of money are we talking
23 about?

24 A. I won't divulge my income. It's on the
25 order of a few percent of my total income, probably
AVERY/WOODS REPORTING SERVICE, INC. ''303) 825-6119

218

1 less than that.

2 Q. Okay. How about for litigation, what
3 amount are we talking about for '95?

4 A. '95, as I said, was probably on the
5 order of a third. Probably between thirty and forty,
6 thirty-five percent, something like that.

7 Q. Of what number are we talking about?

8 A. Thirty to thirty-five percent, that
9 would be my total income.

10 Q. No, in numerical, not percentage, what
11 amount of money are we talking about?

12 A. No. I won't divulge my income. Under
13 contract I am prohibited from discussing my salary
14 and income by the University. I'm talking about the
15 total percent of my income. I'd be happy to discuss
16 that with you in context.

17 Q. The University prevents you from saying
18 how much money you make from cases?

19 A. Yes. If, in divulging to you the
20 amount I make from cases, I give you the percentage,
21 you can determine my salary, I am prohibited from
22 doing that.

23 Q. You have a contract that says that?

24 A. Yes.

25 Q. What's the purpose of that?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

219

1 A. It's a peccadillo of the
2 administration. I do not know why.

3 Q. Can you furnish a copy of the contract
4 provision?

5 A. I am not sure I can do that.

6 Q. Why not?

7 A. Because basically the provisions of
8 that contract or that specific contract are
9 confidential. I have signed an agreement to that
10 effect. I can tell you precisely what the individual
11 provisions are without discussing figures. I've
12 given you some of it here with respect to the
13 distribution of my income.

14 Q. I don't want to know figures with
15 respect to this issue. I'm interested in contractual
16 prohibitions.

17 A. I am prohibited from discussing my
18 salary and income, as outlined in my letter of
19 appointment, which I have agreed to and signed.

20 Q. Would that also include if a court
21 ordered you to divulge your income?

22 A. Yes.

23 Q. If the court ordered you, you have to,
24 don't you?

25 MR. WHITNEY: What's your point? We
AVERY/WOODS REPORTING SERVICE, INC.(303! 825-6119

220

1 have a discovery meeting. We'll address it the:-

2 It's getting close to my witching hour now.

3 Q. (BY MR. WILLIAMS) Have you ever been

4 forced to disclose that income in any other case?

5 A. No.

6 Q. Have you ever received any grants from

7 the API?

8 A. Yes.

9 Q. When was that?

10 A. I've had a grant from the API since

11 1989.

12 Q. Since 1989?

13 A. Yes.

14 Q. What is that grant for?

15 A. For research looking at proving of the

16 biological basis of risk assessments associated with

17 leukemia, leukemogenesis.

18 Q. What is the amount of the grant?

19 A. I think my direct costs this year are

20 on the order of a hundred and seventy thousand.

21 Q. What does that mean?

22 A. That means that that's the amount that

23 I see for research purposes after indirect costs and

24 other features or factors are taken out.

25 Q. That's after cost?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-E-19

221

1 A. That's the cost. That's what I see.

2 That's what I see.

3 Q. When you say "you see," is that paid to
4 you directly?

5 A. No. It's paid to me as a principal
6 investigator at the University of Colorado.

7 Q. Okay.

8 A. There are other studies that are part
9 of that, and there are indirect costs that the
10 University exacts.

11 Q. I guess it is a hundred and seventy
12 thousand dollar figure we're talking about, 1995?

13 A. '96. '95 would have been about the same.

14 Q. And that's an amount that you see after
15 your costs have been paid for?

16 A. No, not after my costs have been paid
17 for. That's what I see. That's what I have to use
18 for research purposes.

19 Q. You see a hundred and seventy thousand?

20 A. Yes.

21 Q. Okay. And has that been constant since
22 '89, or has it been higher?

23 A. It's been roughly constant. I think
24 one year there was a slight difference of maybe
25 thirty thousand dollars. There might have been a
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

222

1 fluctuation.

2 Q. Down or up?

3 A. Up.

4 Q. So one year it was two hundred
5 thousand?

6 A. Close, yes.

7 Q. In other years it's been around one
8 seventy?

9 A. Yes.

10 Q. Have you received any grants from the
11 CMA?

12 A. Yes. I've had approximately four years
13 of funding from the CMA.

14 Q. Four years through this year?

15 A. Pardon?

16 Q. Four years through this year?

17 A. Through last year. Again, my direct-
18 my direct costs were on the order of fifty thousand.

19 Q. Per year?

20 A. Per year.

21 Q. What has that grant been for?

22 A. That grant was for characterizing the
23 mechanisms of thymic lymphoma in mice associated with
24 butadiene exposure.

25 Q. Any benzene?

AVERY/WOC-)S REPORTING SERVICE, INC. (303) 825-6119

223

1 A. No.

2 Q. Just butadiene?

3 A. Just butadiene.

4 Q. Have you received any other grants

5 other than those?

6 A. Oh, yeah. I have grants from the

7 National Institutes of Health to look at mechanisms

8 of chemically and drug-induced leukemogenesis. That

9 is in its third year. The direct costs are on the

10 order of two hundred thousand dollars a year.

11 I had a five-year grant with National

12 Aeronautic and Space Administration to look at

13 adverse health effects on the immune and hemapoietic

14 system associated with space flight and potential

15 exposure to toxic substances.

16 Q. How much was that grant?

17 A. It varied. It was on the order-- it

18 was from sixty to a hundred thousand dollars a year,

19 direct. I'm giving you direct so that you can

20 compare them.

21 Some of these things have strange-

22 indirect figures are different. That's University

23 contract.

24 I've had small grants from the National

25 Cancer Institute to do specific studies related to

VERY/WOODS REPORTING SERVICE, INC. (303) 825-6'19

224

1 their China study. That's probably on the order of
2 twenty thousand dollars total.

3 Q. A year or for the whole thing?

4 A. Twenty thousand total at this point.

5 We probably will be doing some additional studies
6 with them as well.

7 Q. Who was that grant from?

8 A. National Cancer Institute.

9 Q. Okay.

10 A. I've had various-- I've had grants from
11 pharmaceutical companies to look at issues related to
12 drug development. I've done studies on DDC for
13 Hoffmann-La Roche. I think that was for two years.
14 I think that was two years at a hundred thousand a
15 year, but may have been a year and a half.

16 Q. When did that end?

17 A. 1995, beginning of '95. I've got
18 several studies pending, several grant proposals
19 pending, both with NIH as well as pharmaceutical
20 companies. So my funding base changes dynamically,
21 and it is hard to predict from one time to the next,
22 depending on what's funded and what isn't.

23 Q. Any proposals pending with SmithKline
24 Beecham?

25 A. No.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

225

1 Q. Any proposals pending with a-;
2 companies, subsidiaries or associate companies of
3 SmithKline Beecham?

4 A. Not to my knowledge. I'm not -familiar
5 with what companies are subsidiaries of SmithKline
6 Beecham, but I certainly don't think that they're -- I
7 have no studies pending with anybody that falls in
8 that category.

9 MR. WILLIAMS: Can we take a
10 one-minute break?

11 (Whereupon, at 4:29 p.m., a recess was
12 taken.)

13 Q. (BY MR. WILLIAMS) I just want to go
14 over a couple of things in your education. After you
15 graduated from college, it says here in '68-

16 A. Uh-huh.

17 Q. -- you got a degree in chemistry. You
18 worked at the General Hospital; how do you pronounce
19 that?

20 A. San Joaquin.

21 Q. San Joaquin?

22 A. Uh-huh.

23 Q. And did you get a degree as a medical
24 technician there?

25 A. I got a certification as a medical

AVERY/WOODS REPORTING SERVICE, INC. (303) 325-6119

226

1 technologist.

2 Q. You got a certification?

3 A. Yeah.

4 Q. How long was that program?

5 A. Program was a year to a year-and-a-half

6 I believe.

7 Q. And you took courses while you were

8 working there?

9 A. I took courses as part of my training,

10 and additional courses in diagnostic hematology.

11 Q. And what courses in diagnostic

12 hematology did you take

13 A. Basically laboratory hematology at

14 University of San Francisco, University of

15 California, San Francisco.

16 Q. Was that one course?

17 A. It was several. I think there were

18 three or four.

19 Q. Three or four courses?

20 A. Yes.

21 Q. How long did that last?

22 A. They were part of the process that was

23 over the same course of time.

24 Q. Okay. Who supervises medical

25 technicians in a hospital?

AVERY/WC'--"DS REPORTING SERVICE, INC. (303) 825-6119

227

1 A. It depends on the state. Depends on
2 the particular jurisdiction and the laws.
3 California, I'm licensed as a clinical laboratory
4 scientist, which by, I think, current law means that
5 I'm qualified to direct a laboratory. Some states
6 require a physician to direct a laboratory. Other
7 states don't. It varies.

8 Q. So California allows toxicologists to
9 do that?

10 A. No. It requires a licensed and
11 clinical laboratory scientist.

12 Q. What do medical technicians do?

13 A. A medical technician, which is not what
14 we're talking about, is someone who performs specific
15 laboratory tests at the direction of a technologist
16 or a laboratory director.

17 Q. What are we talking about-- I'm sorry--
18 MT?

19 A. Is medical technologist.

20 Q. Medical technologist?

21 A. Yes.

22 Q. What does a medical technologist do?

23 A. It is an individual who performs
24 laboratory-- diagnostic laboratory tests in a
25 clinical laboratory. That ranges from chemistry,
AVERY/WOODS REPORTING SERVICE, INC. (303? 825-6119

228

1 hematology, radiology, urinalysis, bacteriology,
2 etc., etc.

3 Q. Is that done at the direction of a
4 physician?

5 A. In some cases. In other cases not.

6 Depends on-- as I said, depends on the specific
7 conditions of the state. The variation in
8 regulations that pertain to laboratory-- clinical
9 laboratories is quite large in the United States.
10 There are some states that require no certification.

11 Q. And California allows medical
12 technologists to run a lab and conduct experiments?

13 A. This has nothing to do with
14 experimentation. It is performance of clinical
15 laboratory tests. It has nothing to do with
16 experimentation.

17 MR. WHITNEY: Okay. You answered the
18 question.

19 Q. (BY MR. WILLIAMS) Have you ever run a
20 lab performing clinical laboratory tests?

21 A. Supervised laboratory clinical tests in
22 New York during my training in toxicology for part of
23 that time, and I practiced as a medical technologist
24 prior to that in California for a brief period of
25 time.

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

229

1 Q. Before you went to New York?

2 A. Right.

3 Q. Other than that period of time, have
4 you ever?

5 A. I directed a clinical laboratory
6 involved in support of chronic toxicity testing at
7 CIIT, but that's not involved in clinical testing.

8 Q. What type of clinical testing did you
9 do in New York?

10 A. Hematology, primary hematology.

11 Q. Can you be more specific?

12 A. Routine laboratory testing involving
13 blood counts, peripheral blood, morphologic analysis
14 of bone marrow, bone marrow smears.

15 Q. Was this part of your training in the
16 University? No?

17 A. No. That was independent. That was
18 independent.

19 Q. And what was your title? Was it
20 medical technologist?

21 A. I don't have any idea what my title
22 was.

23 Q. Where was the lab? What lab were you
24 working for?

25 A. Strong Memorial Hospital.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

230

1 Q. Okay. I don't see that on here.

2 Okay. Let me see your CV. I imagine it will be on
3 there, won't it?

4 A. What?

5 Q. Strong Memorial Hospital?

6 A. Yeah, but not in that context. My

7 training in pathology is, but basically we're talking

8 about what I did during the summers and at various

9 times for spending money. I don't list it as a-

10 Q. As a job experience?

11 A. Yeah.

12 Q. Okay. Were you working under the

13 direction of a physician?

14 A. No. No.

15 Q. Were you working under the direction of

16 anyone?

17 A. I was working under the direction of

18 the supervisor of the overall laboratory. I don't

19 remember the details. I was working primarily

20 emergency and triage. So I was working odd hours and

21 pretty much by myself.

22 Q. Was t~ supervisor a physician?

23 A. No.

24 Q. What were his qualifications or her

25 qualificati3ns?

AVERY/WOOS REPOR-ING SERVICE, INC. (303) 825-6119

231

1 A. She was a medical technologist.

2 Probably had a master's degree. As I recall, she had
3 a master's degree.

a Q. In medical technology?

5 A. Yes.

6 Q. Okay. After you finished the medical
7 technology program, did you apply to law school-- I
8 mean-- I'm sorry-- medical school?

9 A. I applied to graduate schools and
10 medical schools.

11 Q. And when was this?

12 A. Over the same period of time, at the
13 end of my training in medical technology.

14 Q. Did you get into medical school-

15 A. I was accepted at-- yes.

16 Q. Did you get into medical school the
17 first time you applied?

18 MR. WHITNEY: Objection. Now really.

19 Come on now. This is getting kind of ridiculous.

20 THE DEPONENT: What do you mean, the
21 first time? I applied. You mean the first year I
22 applied?

23 MR. WILLIAMS: Yeah.

24 A. I don't believe so. I think it was the
25 second year.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

232

1 Q. (BY MR. WILLIAMS) How many schools did
2 you apply to the first year?

3 MR. WHITNEY: Objection.

4 A. I don't remember. Several.

5 Q. (BY MR. WILLIAMS) Several?

6 A. Several.

7 Q. And this would have been after-- when
8 did you first apply?

9 MR. WHITNEY: Objection. Let's get
10 some relevant questions, okay, if you have some.

11 MR. WILLIAMS: These are relevant.

12 A. I don't recall.

13 Q. (BY MR. WILLIAMS) Was it after the
14 first year you got out of college?

15 A. Yes.

16 Q. And so you didn't get in, and then the
17 second year, the year after that, you applied to
18 medical school again?

19 A. It was after. As I said, it was a year
20 after college. That's when I was in medical
21 technology. That's when I first applied. And I
22 believe I applied one round, and then I came back and
23 applied again.

24 Q. Okay. And you say you got in that
25 time?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

233

1 A. Yes.

2 Q. Where did you get in? Where were you
3 accepted?

4 A. University of California, San
5 Francisco.

6 Q. And you did not go to medical school
7 there?

8 A. No. I was already at Rochester when I
9 got their letter.

10 Q. You had one year in the University
11 School of Medicine. Were you accepted in the School
12 of Medicine?

13 A. Yeah. I took courses in both School of
14 Medicine and toxicology program for a number of
15 years. In fact, I was still taking medical courses
16 at the-- when I began the fellowship in pathology.

17 Q. Were you auditing these courses or
18 taking them for credit?

19 A. Taking for credit.

20 Q. Were they part of your toxicology
21 program?

22 A. No.

23 Q. Were you actually accepted in the
24 School of Medicine degree program?

25 A. At the point at which I needed to enter
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

234

1 the clinical portion of the program, I left Rochester
2 and went to North Carolina.

3 Q . To worst?

4 A. Yes.

5 Q. At CIIT?

6 A. Yes.

7 Q. So your testimony is you were accepted
8 into medical school at the University of Rochester
9 with a degree program?

10 A. I was enrolled in courses of the School
11 of Medicine. I did not finish the program. I did
12 not enter the formal medical program at the point at
13 which I had to take a degree in medicine.

14 Q. Did you ever actually apply to medical
15 school at the University of Rochester?

16 A. As part of matriculating and the
17 courses that I took, yes.

18 Q. But in terms of the degree in medicine?

19 A. The M.D./Ph.D. program at Rochester
20 incorporated and assimilated students from the
21 graduate program in the M.D. program at various times
22 during the history of the program. I matriculated in
23 the program for a number of years and took courses in
24 medicine. I did not elect to formally enter the
25 clinical years and take a degree in medicine.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

235

1 Whether or not that would have been-- whether I would
2 have had that opportunity at that time I don't know.

3 I elected not to.

4 Q. You elected not to apply?

5 A. I elected not to finish, and that would
6 have required applying to the program.

7 Q. To the medical school program?

8 A. Yes.

9 Q. In a traditional four-year medical
10 school program, when does the clinical aspect of it
11 start? Is it the third and fourth year?

12 A. Year three and four.

13 Q. Did you take all the courses that would
14 have been required of a medical school student in the
15 first and second years of their academic program?

16 A. The vast majority of them.

17 Q. Do you recall which ones you took?

18 MR. WHITNEY: Objection.

19 A. The vast majority. It would probably
20 be easier to tell you the ones I didn't take.

21 Q. (BY MR. WILLIAMS) What didn't you take?

22 A. I didn't take psychiatry.

23 Q. Is that the only one?

24 A. I believe so.

25 Q. Did you take anatomy?

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

236

1 A. Yes.

2 Q. Did you complete the course in anatomy?

3 A. I didn't complete neuroanatomy.

4 Q. Go ahead. I'm sorry.

5 A. I didn't complete neuroanatomy.

6 Q. Is that a second-year course?

7 A. Neuro courses are the second year

8 courses-- neuropathology, neuroanatomy, and a number

9 of other things. I took the neuropathology, but I

10 didn't take the rest of it.

11 Q. When you had postgraduate training as

12 part of pathology at Strong Memorial Hospital, what

13 did this entail?

14 A. Gross pathology, anatomic pathology,

15 some surgical pathology, hematopathology, as well as

16 research.

17 Q. The ones you just listed were the

18 courses you took?

19 A. They weren't courses. I was basically

20 serving as a fellow, reviewing cases, evaluating

21 material, participating in autopsies, reviewing

22 surgical specimens.

23 Q. Who were you working with during this

24 training? Did you have a-

25 A. A mentor?

AVERY/WOC_S REPORTING SERVICE, INC. (303) 825-6119

237

1 Q. Yes.

2 A. Eric Schenk was a mentor. J.C.K. Lee
3 was also a mentor.

4 Q. And they're both pathologists?

5 A. Yes.

6 Q. Do you consider yourself to be an
7 expert in pathology?

8 A. Experimental pathology, yes. I am a
9 member of the-- what is now the American Association
10 of Investigative Pathology, formerly the American
11 Association of Pathologists. Yes. I have a full
12 professorship in the Department of Pathology in the
13 School of Medicine at the University of Colorado.

14 Q. And you teach experimental pathology?

15 A. I teach pathology both to graduate
16 students and medical students.

17 Q. Have you been qualified in court to
18 testify as an expert in pathology?

19 A. As an experimental pathologist, yes.

20 Q. Tell me what an experimental
21 pathologist is as opposed to an anatomical or
22 clinical pathologist.

23 A. An experimental pathologist is an
24 individual who basically studies mechanisms and
25 pathogenesis of disease, and structural alterations
AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

238

1 associated with disease, very similar to what we're
2 talking about in terms of pathogenesis of leukemia.

3 Whether you say I'm a hematological

4 pathologist or an experimental pathologist or

5 experimental hematologist, I think they all basically

6 relate to the same type of expertise and activity.

7 Q. How is that different from an anatomic

8 and/or clinical pathologist?

9 A. Anatomic pathology is that branch of

10 pathology that deals primarily with either the

11 analysis of histology or gross pathology associated

12 with disease processes.

13 I'm not an M.D. I'm not a clinician.

14 I do have expertise in both of those areas. I have

15 reviewed and performed studies as a pathologist, but

16 I am not a pathologist and do not specifically

17 diagnose disease except in the context of providing

18 expert opinion on differential diagnosis of

19 hematological diseases when requested to by a

20 physician.

21 Q. Did you mention clinical pathology?

22 A. Clinical pathology deals with the

23 clinical laboratory diagnosis and the use of clinical

24 laboratory procedures in the support of clinical

25 diagnosis.

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

239

1 Q. So you don't consider you=yourself an
2 expert in those areas of pathology; you consider
3 yourself an expert in experimental?

4 A. I'm certainly an expert in clinical
5 pathology as it relates to the differential diagnosis
6 of hematological diseases, and I'm licensed to
7 perform those particular types of tests in
8 California. I serve as a consultant on occasion in
9 differential diagnosis of leukemias.

10 The diagnosis of leukemia has changed
11 dramatically over the course of the last several
12 decades, two decades in particular. Clinical
13 diagnosis does not usually provide a great deal of
14 information. It provides some. But the differential
15 diagnosis of leukemia types is primarily a laboratory
16 related activity at this stage.

17 Q. You're not board certified in pathology
18 I take it?

19 A. No, I am not.

20 Q. You have an associate fellowship listed
21 here in The National Society of Experimental
22 Hematology?

23 A. Yes.

24 Q. What's involved in becoming a member of
25 that society?

EVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

240

1 A. You have to be elected by the
2 membership based upon your research activities and
3 publications in the field.

4 Q. Where are they based?

5 A. I don't know. I'd have to tell you.

6 Q. I take it then, to be a member of the
7 American Association of Pathologists, you don't have
8 to be an M.D.?

9 A. No. But you have to be elected.

10 Q. Is it the same process, you're elected
11 by the membership?

12 A. Yes.

13 Q. Do you get someone to sponsor you for
14 that thing? Is that how it works?

15 A. No. There's a membership committee
16 that usually evaluates your credentials, and that's
17 the way most professional societies work that require
18 that. They have specific requirements with respect
19 to education, training and/or publication experience.
20 And then, when that's reviewed by a committee, the
21 committee usually makes recommendations to the
22 membership. And that's the way it's usually done.

23 Q. How many members does the International
24 Society of Experimental Hematology have? Do you
25 know?

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

241

1 A. Maybe-- I suspect it's on the order of
2 one or two thousand.

3 Q. How about the American Association of
4 Pathology?

5 A. That's a much larger organization. I
6 couldn't tell you exactly. That information is
7 readily available.

8 Q. Okay. Have you been asked to review
9 any of the plaintiff's experts' opinions in this
10 case?

11 MR. WHITNEY: If he's asked to provide
12 a rebuttal opinion, I'll let you know. Right now
13 he's not.

14 Q. (BY MR. WILLIAMS) Okay. But have you
15 been asked to review their opinions?

16 A. Specifically, no. I've been asked to
17 review their reports and their depositions with
18 respect to formulating my own opinion, but I haven't
19 been asked to render an opinion specifically relating
20 to theirs.

21 Q. So, as of today, you have no plan to
22 state a rebuttal opinion to the-

23 A. If called upon to do so, I will. I
24 have not been called upon to do so.

25 Q. Have you formulated any rebuttal
AVERY/WOODS REPORTING SERVICE, INC. (303)825-6119

242

1 opinions at this point?

2 MR. WHITNEY: He's my witness, and if
3 he's asked to, I'll tell you.

4 MR. WILLIAMS: Well, are you going to
5 let me take his deposition again?

6 A. In general, it is clear or should be
7 clear that my opinion differs substantially from
8 opinions that have been offered by experts for the
9 plaintiffs in this case. I could go through and
10 itemize several specific points and why I disagree
11 with each of them. I have not been asked to do so.
12 I think it's obvious that my opinions are different,
13 and that I differ with the opinions that they've
14 offered.

15 Q. (BY MR. WILLIAMS) Obviously. Other
16 than with respect to what you've stated today and in
17 your report, are there any other opinions in addition
18 to those that you have in response to the deposition
19 testimony you read from the plaintiff's experts or
20 their reports?

21 A. At this point in time I believe that
22 they have all been covered within the context of my
23 opinions as offered in this case.

24 Q. Do you have any other opinions in this
25 case thus far that you have not covered yet?

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-6119

243

1 A. No.

2 Q. You say you've been asked to consult in
3 differential diagnosis in clinical settings?

4 A. On occasion, yes.

5 Q. Have any of those cases involved
6 myelodysplastic syndrome?

7 A. Probably, yes. I can't recall
8 specifically an individual case, but that's almost
9 certainly a yes.

10 Q. Why is that almost certainly a yes?

11 A. Because myelodysplastic syndrome is one
12 of the common abnormalities that's encountered in a
13 clinical setting relating to diseases of the blood.

14 Q. Are you called upon to consult because
15 of your research, the research you've done in the
16 field of benzene toxicity?

17 A. I'm called upon I think in part because
18 of that and in part because of my general research on
19 mechanisms of leukeogenesis, and in part because of
20 my knowledge of experimental hematology, and in part
21 because of my expertise in morphologic diagnosis and
22 idiopathic diseases of the blood. All of those are-
23 they're all related, and they're all expertise that I
24 have, and expertise that I use either in research or
25 on issues that are related to differential

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

244

1 diagnosis.

2 Differential diagnosis represents a
3 relatively small part of what I do. It is not a
4 routine part of my activities anymore.

5 Q. When was the last one you did?

6 A. I believe I probably-- I believe it was
7 in the fall or winter of 1995.

8 Q. Was that with Dr. Heron (phonetic) in
9 Denver?

10 A. I don't remember. I don't recall who
11 the physician was that was involved. I believe I was
12 asked in the context of confirming information,
13 laboratory information that was already available on
14 the patient.

15 Q. Obviously you were asked by the doctor?

16 A. Yes.

17 Q. How many of these differential
18 diagnosis consultations do you think you have been
19 involved in? You say that's not very frequent?

20 A. Not anymore. I mean usually it's in
21 the context of hemopathology rounds. On occasion
22 it's a specific patient. It's not a routine activity
23 that I participate in anymore.

24 At Rochester, I used to do it much more
25 often in the context of morphologic diagnosis of bone
AVERY/W00-S REPORTING SERVICE, INC. (303) 825-6119

245

1 marrows. That was a long time ago. And my-- I have
2 no-- no clinical responsibilities or laboratory
3 responsibilities in the clinical laboratory at
4 Colorado.

5 Q. So, since '89, how many do you think
6 you've done, just one or two or-

7 A. Half a dozen maybe.

8 Q. Did you do any while you were at CIIT?

9 A. Rarely. I think maybe once when I was
10 at CIIT I did.

11 Q. So the most would have been when you
12 were at Rochester in the pathology fellowship?

13 A. Yes.

14 Q. That was back in '74 to '76?

15 A. Yes.

16 Q. Were you ever asked to consult with any
17 patients with 5q- syndrome?

18 A. I don't believe so.

19 Q. And you've never examined or treated
20 any patients with any kind of disease, have you?

21 A. For purposes of this discussion, no.

22 When I was at Rochester, I did examine patients in
23 the context of clinical activities that I was
24 involved in. That was when I was training.

25 Q. Right. And you were not involved in

AVERY/WOODS REPORTING SERVICE, INC. (303) 825-6119

246

1 the treatment of those patients?

2 A. No.

3 MR. WILLIAMS: Let me just take a

4 second to go through.

5 (Whereupon, there was discussion

6 outside the record.)

7 MR. WILLIAMS: That's all I have.

8 **(Whereupon, at 5:00 p.m., the**
9 **deposition concluded.)**

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

AVERY/WOOS REPORTING SERVICE, INC. (303) 825-5119