

1 IN THE CIRCUIT COURT
2 TWENTIETH JUDICIAL CIRCUIT OF ILLINOIS
 ST. CLAIR COUNTY

3 FRANCES E. KEMNER, et al)
)
4 Plaintiffs,)
)
5 VS.) No. 80-L-970
)
6 MONSANTO COMPANY,)
)
7 Defendant.)

8
9 Before the HON. RICHARD P. GOLDENHERSH, Judge

10
11 REPORT OF PROCEEDINGS

12 JURY TRIAL

13 February 19, 1986

14
15 APPEARANCES:

16 MR. REX CARR and MR. JEROME SEIGFREID
17 on behalf of the Plaintiffs;

18 MR. KENNETH R. HEINEMAN and MR. JOSEPH NASSIF
19 on behalf of the Defendant.

20 KIMBERLY GANZ, CSR, RPR, CM
21 Official Court Reporter
22
23
24

1 BE IT REMEMBERED, that on February 19, 1986, the
2 same being one of the regular judicial days of said court,
3 the above-entitled cause came on regularly for hearing before
4 the HONORABLE RICHARD P. GOLDENHERSH, one of the Judges of
5 said court, at the St. Clair County Building, 10 Public
6 Square, in the City of Belleville, St. Clair County,
7 Illinois. Whereupon the following proceedings were had:

8 (The following proceedings were had in the hearing
9 and presence of the jury).

10 MR. CARR: Your Honor, I would like to have another
11 copy of 1728 marked rather than the copy that had my notes on
12 it.

13 RAYMOND SUSKIND
14 having resumed the witness stand, being previously sworn,
15 testified further as follows:

16 CROSS EXAMINATION

17 By

18 MR. REX CARR.

19 Q. Doctor, I would like to ask you some questions
20 mentioned this morning about the second examination you
21 conducted of some of the Nitro workers that took place in
22 1950. I think that is Defendant's Exhibit 1700. Doctor,
23 this is a report referring to an examination that took place
24 on April 14, 1950, is it not?

1 A. Yes, it is, sir.

2 Q. And this is contrary or different from the October
3 '49 examination, this took place at the Monsanto Chemical
4 Company Plant?

5 A. Yes, it did, sir.

6 Q. And you examined not only the original four that
7 you had seen in October of '49 but also two additional
8 workers, did you not?

9 A. That is true, sir.

10 Q. Now, had you examined anybody other than those
11 original four in between October of '49 and April of '50?

12 A. We did not.

13 Q. All right. When you reexamined Ival McClanahan,
14 you found that he had resumed work in November of '49 but had
15 gained 20 pounds and his pains in the legs had gradually
16 subsided. Does that mean that they have gone?

17 A. Yes, it does, sir.

18 Q. All right. And so when you use the word subsided,
19 you mean gone?

20 A. Yes.

21 Q. That is a little different from the dictionary
22 definition but it is your, it is the way you use the word,
23 correct, sir?

24 A. Yes, sir.

1 Q. All right. So, in other words, he tells you that
2 the -- that his pains have gradually gone, that is, they are
3 now gone, and he has no complaints to any organ system and
4 his only concern is whether or not the pains in his legs will
5 come back, is that correct, sir?

6 A. That is what he stated, sir.

7 Q. So, as far as you are concerned based upon what he
8 told you and based upon your examination of his skin, he has
9 had fairly good if not better than fairly good recovery, has
10 he not?

11 A. From the record, I would say so.

12 Q. His complaints of impotence which he had complained
13 of had returned to normal?

14 A. That is what he said, sir.

15 Q. And, Doctor, you took that as a fact, did you not,
16 sir?

17 A. Yes, we did.

18 Q. And you did, however, find upon examination that he
19 still had an enlarged liver, didn't you, sir?

20 A. The liver was palpable.

21 Q. Is the answer to my question yes, he did have an
22 enlarged liver?

23 A. On examination, he had a large liver, an enlarged
24 liver.

1 Q. Right. And that was still -- and it was also
2 somewhat tender, was it not, sir?

3 A. Yes.

4 Q. And would still be then an objective finding that
5 you made relative to his condition as it was in April of
6 '50? That was an objective finding that you made as of April
7 of 1950?

8 A. Yes, sir.

9 Q. And the next person you saw was Mr. Paul Willard.
10 He had not gone back to work. Well, he tried to go back to
11 work for a week but he couldn't hack it and then he quit his
12 job and hadn't worked since November, is that correct, sir?

13 A. That is right.

14 Q. By quit his job, you meant he quit working. Didn't
15 quit his employment, did he not, sir?

16 A. Well, he quit his employment at Monsanto.

17 Q. He did quit actual employment by Monsanto at that
18 time?

19 A. Well, I am not all together sure whether or not
20 that means that he simply got a leave of absence because he
21 did return to work later on.

22 Q. Well, then, he didn't quit his job?

23 A. Quit his job meant that he was not working at that
24 time at Monsanto.

1 Q. That is what I thought you meant but I wasn't
2 sure.

3 A. Thank you, sir.

4 Q. Because I did know that he had returned to work
5 somewhat later on. In any event, you examined him and you
6 found that he had some or at least that he complained of pain
7 on his feet -- pain, rather. If he is on his feet a great
8 deal, he has a mild aching in the right calf, did you not,
9 sir? Top of page 3 of your report, Doctor?

10 A. That is what he said but no discomfort.

11 Q. And you also found that he had an aching in his
12 chest, though, did you not, sir?

13 A. He described it as a slight discomfort in the
14 chest.

15 Q. Well, he also described it, at least in your words,
16 it is in the form of substernal aching. Isn't that what you
17 said, Doctor?

18 A. That is right, sir.

19 Q. And he has had that since the fall of '49, isn't
20 that correct, sir?

21 A. I don't know. From this -- I can't say.

22 Q. If you look --

23 A. May I finish, sir?

24 Q. If you look at the second line in the paragraph it

1 will tell you. You say, do you know, it has persisted since
2 last fall?

3 A. Yes, we did.

4 Q. Yes. And, Doctor, it comes, at least insofar as
5 what he told you, it usually comes upon just slight exertion,
6 correct?

7 A. He so stated.

8 Q. And it is also associated with shortness of breath
9 and whistling sounds, isn't it, sir?

10 A. That is what the record reads, sir.

11 Q. Well, Doctor, I know that is what the record reads
12 because I can read it and you so reported it. My question
13 is, insofar as you are concerned as treating physician, that
14 was a fact and you reported it as fact?

15 A. That is true, sir.

16 Q. Yes. Doctor, he also stated that he had a chronic
17 cough which was most marked when he is in a recumbent
18 position, is that correct, sir?

19 A. That is true.

20 Q. He also found that or rather he reported to you
21 that he had gained weight. Apparently he had lost -- well,
22 whether or not he had lost weight it doesn't say, but you do
23 know that he gained weight. At least he reported to you 8
24 pounds, correct, sir?

1 A. Yes, sir.

2 Q. Now, his impotence had also disappeared and his
3 sexual life was now approximately normal, is that correct,
4 sir?

5 A. Yes, sir.

6 Q. You found that he had, or he stated to you that he
7 had difficulty in going to sleep at night. He has a general
8 nervousness inside, he feels tired, worn out most of the time
9 and in the words that you put, claims he cannot return to
10 work because of his weakness, is that correct, sir?

11 A. That is correct, sir.

12 Q. And he also told you that he was avoiding any kind
13 of, he didn't say work, avoiding any kind of activity as long
14 as possible, did he not, sir?

15 A. Yes. He also said something else, though.

16 Q. He had a fear, did he not, sir, that if he did any
17 work around his house that someone might see him and would
18 send him back to work at the plant?

19 A. Yes.

20 Q. And he did not want to get sent back to work at the
21 plant, did he, sir?

22 A. Apparently.

23 Q. From what you could divine from what he told you,
24 isn't that correct, sir?

1 A. He admitted freely that he didn't want to work.

2 That is in the record.

3 Q. What he said was, he didn't want to be sent back to
4 work at the plant. Isn't that what he said, sir?

5 A. That is true, sir.

6 Q. Yes. And at the plant, when he went back to work
7 on the occasion he had gone back to work, his acne flared up
8 and he had to quit it. He did try to go back to work, didn't
9 he, sir?

10 A. If you will show me where that is.

11 Q. On page 2 where it says, "Following our examination
12 last October, Mr. Willard attempted to go back to work for a
13 week but his acne flared up and he quit his job. He has not
14 worked since November".

15 A. He reported that to us.

16 Q. Sir?

17 A. He reported that to us.

18 Q. Now, you didn't know then but you did know from
19 what occurred at the workmen's compensation hearing that a
20 large number of these workmen compensation claimants were
21 afraid of going back to work at the plant. They were nervous
22 and afraid, weren't they, sir?

23 A. Some of them might have been.

24 Q. Now, Doctor, it is not some of them might have

1 been. It is what was reported to you. You examined these
2 men and testified at that hearing. This is what you became
3 aware of that they were saying, whether they were, in fact,
4 afraid, whether they were just putting on and feigning this
5 fear or this apprehension. You, of course, not being a
6 psychiatrist you wouldn't know. But they did so report to
7 you, didn't they, sir?

8 A. Some of them did.

9 Q. Yes. And, Doctor, you also know that the acne
10 continued to break out from time to time not just with these
11 men but with other men, additional cases added on, after they
12 went back to work or after they were exposed to the processes
13 at the Nitro Plant, isn't that correct, sir?

14 A. No, sir.

15 Q. That is not correct?

16 A. That is not correct, sir. That is not completely
17 correct.

18 Q. Doctor, is it correct that acne cases continued to
19 break out?

20 A. Acne cases continued to break out.

21 Q. And with workers who were exposed to the process,
22 not an accident, but just working in the plant in ordinary
23 day-to-day fashion?

24 A. What is the question, sir?

1 Q. Isn't it a fact that the acne continued to break
2 out in workers not exposed to any accident but just who did
3 their everyday work in ordinary fashion at the plant?

4 A. During what year, sir?

5 Q. During the years following the accident and up to
6 the last time you examined them in 1953?

7 A. That is true, sir.

8 Q. And from your examination in 1979 from the history
9 given you, you know that the acne cases continued to break
10 out up until 1969 and when they quit making 2,4,5-T at the
11 plant. You know that as well, don't you, sir?

12 A. I am not sure that that is the date, though.

13 Q. Well, the date is not material. The important
14 point is the acne continued to break out in these men and
15 other men until the time the 2,4,5-T manufacture was stopped,
16 isn't that correct, sir?

17 A. No, sir.

18 Q. That isn't correct?

19 A. No, sir.

20 Q. What is incorrect about the statement?

21 A. Well, I don't know. I have no idea from our
22 examination that there were cases, for example, that broke
23 out a year before the process was terminated or the year it
24 was terminated or two years before. I have no idea. So that

1 -- I am saying that your statement that they broke out until
2 1969 is incorrect.

3 Q. What you are saying is that it may have broken out
4 right up to the day they quit manufacturing but you didn't
5 get enough details from the history to pinpoint it precisely,
6 is that correct, Doctor Suskind?

7 A. I don't know that they broke out up until the time
8 that the operation terminated. I don't have that
9 information.

10 Q. Doctor, wasn't it reported to you at the time you
11 did your study in 1979 that that occurred?

12 A. No.

13 Q. Didn't you get that information from Monsanto?
14 Didn't you get that information from the workers?

15 A. No, we did not.

16 Q. Well, Doctor, I don't have -- I am not -- I don't
17 have with me at this point in time the documents on that so I
18 can't demonstrate to you but I will get to that when we get
19 to the morbidity study.

20 A. I have never seen a document that said that.

21 Q. Doctor, I don't care whether you saw a document.
22 What I want to know is wasn't it reported to you, sir, that
23 these men or men continued to get chloracne during the time
24 the manufacturing of 2,4,5-T took place at the Monsanto

1 plant?

2 A. The answer I have given you is no.

3 Q. It was not so reported to you?

4 A. No.

5 Q. All right. Well, we will get to that shortly.

6 Back to Willard and his fear. He, in addition to the
7 complaints that he gave to you, he was treated for a burning
8 sensation in his eyes by local doctors once or twice a week,
9 correct, sir?

10 A. Yes.

11 Q. On physical examination, you found again the same
12 thing that you found in the other men, an enlarged liver, did
13 you not, sir?

14 A. Yes, we did, on examination. Non tender.

15 Q. I am sorry?

16 A. Without tenderness, however. Which is significant.

17 Q. Doctor, I didn't ask you whether it was tender or
18 not. I asked you, I thought I asked you a precise question
19 whether or not the liver was enlarged?

20 A. The answer is yes.

21 Q. And, Doctor, you also believed then and,
22 thereafter, that the enlarged livers that you found in these
23 men that you examined was a result of their exposure to this
24 contaminant, did you not, sir?

1 A. We thought it was.

2 Q. Well, that was your medical opinion to a reasonable
3 degree of medical certainty, wasn't it, sir?

4 A. It was, indeed.

5 Q. Yes. Doctor, you reexamined Mr. Steele and found
6 with him as with the other men that you saw earlier that his
7 libido had returned to normal, did you not, sir?

8 A. Yes, we did.

9 Q. You also found that he had complained of continued
10 soreness. He had not returned to work, had he, sir? Very
11 first line, sir, of your examination report on Mr. Steele.

12 A. Yes, he had not returned to work.

13 Q. He continued to have soreness in his right upper
14 quadrant, pains in his legs upon walking, recurrent sharp
15 pains over the left side of his neck and shoulders and that
16 when he has continuous standing, it results in severe aching
17 in muscles of the lower legs, isn't that correct, sir?

18 A. Yes, we reported that.

19 Q. Now, Doctor, on your examination of this man in
20 addition to the chloracne, you found as with the others that
21 he, too, had an enlarged liver, did you not, sir?

22 A. Yes, we did.

23 Q. And I can't read this copy but it appears to say
24 and is slightly, what is that word?

1 A. And is slightly tender.

2 Q. All right. And what is the next word there?

3 Metal?

4 A. The rectal examination is negative.

5 Q. My copy of your carbon isn't too clear. That last

6 word was negative?

7 A. Right.

8 Q. All right. And what was -- the neurological

9 examination is negative also. That last word on that

10 sentence is negative also?

11 A. Yes, that is true.

12 Q. All right. You found that this man still had

13 clinical evidence of hepatitis, correct, sir?

14 A. Yes.

15 Q. Now, this particular kind of hepatitis that this

16 man and others had is a chemical hepatitis, isn't it, sir?

17 A. We believe that it might be due to the chemical.

18 Q. Now, that was your medical opinion to a reasonable

19 degree of medical certainty, wasn't it, sir?

20 A. Yes, sir.

21 Q. And there are other kinds of hepatitis in addition

22 to or different from chemical hepatitis, isn't there, sir?

23 A. There are two, sir.

24 Q. Sir?

1 A. Yes, there are.

2 Q. The next gentleman you examined was Mr. Hurley and
3 he had returned to work. I can't make out that date.

4 A. March 1, 1950.

5 Q. Had he not?

6 A. Yes.

7 Q. That was just what, then, just a month before you
8 saw him or probably short -- no, your report is dated April
9 so it is probably just a week or two before you saw him, is
10 that correct?

11 A. We visited the plant on April 14th and he returned
12 to work on March 1st.

13 Q. So it would have been a month and a half?

14 A. I believe so.

15 Q. All right. Now, this man who along with the others
16 you saw a year after the accident, his nervousness -- well,
17 you say there, noticeable subsidance in his nervousness. Do
18 you mean in that instance that it is gone all together or do
19 you mean just that it is reduced?

20 A. I mean that it is gone in this instance as well.

21 Q. Noticeably gone?

22 A. Yes.

23 Q. Although he still complains of insomnia?

24 A. Yes.

1 Q. Well, the insomnia complaint would be connected
2 with or could be connected with the central nervous system
3 disorder, could it not?

4 A. It might or not. I have no idea.

5 Q. I know that Doctor, but my question was that it
6 could be associated with the central nervous system disorder,
7 could it not?

8 A. It might be.

9 Q. Well, that is what I asked you. Could and might
10 are interchangeable, they are equivalent words. They are,
11 aren't they?

12 A. In some people's dictionary they are equivalent.

13 Q. Well, when you use the word could, couldn't you
14 just as easily use the word might?

15 A. Yes.

16 Q. It could be or might be or perhaps?

17 A. The answer is yes.

18 Q. And, Doctor, in addition to this complaint of
19 insomnia -- by the way, Doctor, when these men give these
20 complaints, at this particular point in time as the examining
21 or treating physician, you make sure that you inquire as to
22 whether or not they have had such problems or complaints
23 before their exposure or before the inciting incident, did
24 you not, sir?

1 A. We probably did, sir.

2 Q. Well, Doctor, a good treating physician tries to
3 elucidate or identify the cause of a particular problem and
4 if you do not eliminate whether or not it pre-existed the
5 inciting agent or the incident, you can never know, can you,
6 sir?

7 A. That is true, sir.

8 Q. So, a good treating physician makes sure that he
9 does everything that he can to eliminate the possibility that
10 it was a condition that he had before the accident or the
11 incident complained of, isn't that correct, sir?

12 A. I believe so.

13 Q. And you are a good treating physician, aren't you,
14 Doctor Suskind?

15 A. I hope so.

16 Q. And, therefore, you did everything you could to
17 eliminate those complaints that had pre-existed the problem
18 unless you identified them as being exaggerated or
19 exacerbated or aggravated by the problem, isn't that correct,
20 sir?

21 A. That is true, sir.

22 Q. So, this man presumably did not have insomnia
23 before this accident, did he, sir?

24 A. I don't believe he did.

1 Q. From what you could gain or gather or glean from
2 your examination of him and in your inquiries made of him and
3 your perusal of his medical records, that was the conclusion
4 that you reached, isn't it, sir?

5 A. I assume so from this report, sir.

6 Q. Doctor, this is a report that you made to Monsanto
7 and you intended for Monsanto and others to rely upon, did
8 you not?

9 A. Yes.

10 Q. And you wouldn't have put it in there if you didn't
11 think it was so, would you, Doctor?

12 A. Absolutely not.

13 Q. All right. Now, you also said, did you not, sir,
14 that he reported to you that the aching pains in his legs and
15 feet had improved, had not disappeared. They are
16 particularly severe, however, after a day of prolonged
17 activity, correct, sir?

18 A. Yes, sir.

19 Q. He doesn't believe that any of his complaints were
20 made worse by his returning to work, correct, sir?

21 A. That is what he said to us, sir.

22 Q. So, by that, you could take that to mean that if he
23 has got a day of prolonged activity on his day off at home,
24 on Saturday or Sunday or on his days off, that he would get

1 the severe pains and they are not just associated with work?
2 It would be connected to any day of prolonged activity,
3 correct?

4 A. Probably.

5 Q. He also reported that he had occasional headaches
6 for the past three months, did he not, sir, over the vertex?
7 Where is the vertex, Doctor Suskind?

8 A. The vertex is the top of the head.

9 Q. The top of the head. Doctor, this was a new
10 complaint that he made, wasn't it, sir? This is something he
11 had not had in October of '49 when you first examined him,
12 isn't that correct, sir?

13 A. I am not sure. I would have to go back to the '49
14 examination to see it, sir.

15 Q. Doctor, can't you see it from the way you phrased
16 the finding? You said the patient has had occasional
17 headaches over the vertex for the past three months. That
18 would be March, February and January of 1950?

19 A. I don't see any report of headaches in 1949, sir.

20 Q. My question is, Doctor, you can conclude that this
21 is a new complaint, can you not, from the fact that he
22 reported that he had it for the past three months?

23 A. It might be.

24 Q. You have no other record of the man ever having

1 headaches, did you, sir?

2 A. No, we didn't.

3 Q. And is it not, therefore, a new complaint as far as
4 you are concerned?

5 A. I said it could be.

6 Q. I know that, Doctor, but I want a more definite
7 answer than that. As far as you are concerned, it was a new
8 complaint, wasn't it, sir?

9 A. It could be.

10 Q. I know that, Doctor. You have said that already.

11 A. That is what I am going to stand on is that it
12 could have been a new --

13 Q. That is a speculation. You are saying that it
14 might be and it might not be. What I am saying as far as you
15 are concerned, it was a new complaint?

16 A. No.

17 Q. It was not?

18 A. No, because I am not sure.

19 Q. Doctor, have you got any record that he had any
20 kind of headaches before?

21 A. Well, he might have had it without reporting.

22 Q. Doctor, you would inquire about it, would you not?
23 A good treating physician, you just got through saying, would
24 inquire about these things whether or not they had

1 pre-existed the occurrence. Didn't you just get through
2 saying that?

3 A. Yes, I did.

4 Q. And, Doctor, you, therefore, inquired about his
5 headaches that he reported in 1950, did you not, sir?

6 A. I did.

7 Q. And you found out that he had had these headaches
8 just the past three months, did you not, sir?

9 A. According to the record, that is what he stated.

10 Q. And it was, therefore, a new complaint, wasn't it,
11 sir?

12 A. I am not sure, sir.

13 Q. You were as sure as you could be under the
14 circumstances that existed at that time which included your
15 ability as a skillful treating and examining physician to
16 elucidate these facts from this worker at Monsanto?

17 A. At the time we examined him in 1950 he gave us that
18 history.

19 Q. My question, isn't that correct, sir, that this was
20 a new complaint as far as you could tell from what you found
21 out from the man?

22 A. A new complaint as far as I was concerned.

23 Q. That is correct, sir. That is what I am asking you
24 as far as you are concerned.

1 A. As far as I was concerned, but that doesn't mean to
2 say it was a new complaint. He could have had it before.

3 Q. Doctor, yes. He could have lied to you when you
4 asked him had you had headaches before because as a treating
5 physician, you would ask him have you had headaches before
6 and he could have lied to you and said no, I haven't had
7 headaches before. This is something new. He could have made
8 that lie to you but as a treating physician, so far as you
9 were concerned, it was a new complaint?

10 A. Mr. Hurley was not lying, sir.

11 Q. I understand that. I don't believe he was either
12 so, therefore, as far as you were concerned, it was a new and
13 and honest new complaint?

14 A. A new complaint from my point of view.

15 Q. Yes. And that is what I asked you to start with,
16 Doctor Suskind. He also reported that these headaches were
17 not severe, didn't he, sir?

18 A. He did.

19 Q. He also told you that his hearing had decreased,
20 did he not, sir?

21 A. He said he believed that his hearing had decreased.

22 Q. And he also told you that he has noticed shortness
23 of breath, didn't he, sir?

24 A. Yes.

1 Q. Now, this occasional wheezing in his chest,
2 correct, sir?

3 A. Correct.

4 Q. These are again things that you didn't find, that
5 he didn't complain of at least in 1949, isn't that right,
6 sir?

7 A. No, he did not.

8 Q. It is right that he did not complain of them?

9 A. He did not complain of them in 1949.

10 Q. So, these are new symptoms showing up in this man a
11 year after his exposure, aren't they, sir?

12 A. Yes, but they are common symptoms that many people
13 may have.

14 Q. Doctor, I certainly know that everything that
15 happens in a case of systemic poisoning is common to the
16 world at large. Everybody can have any of those problems, if
17 they are human, that is caused by systemic poisoning, can
18 they not, sir?

19 A. No, sir.

20 Q. Can't everybody get from time to time a headache?

21 A. They can, indeed.

22 Q. Can't everybody feel from time to time nervous?

23 A. Absolutely.

24 Q. Can't everybody from infectious processes get liver

1 disease, never been exposed to systemic poisoning?

2 A. Probably.

3 Q. Can't everybody be susceptible to colds and get
4 AIDS because their immune system is not functioning or
5 infectious processes -- can't all of these things, can't you
6 get peripheral neuropathies from conditions other than
7 systemic poisoning?

8 A. That is true.

9 Q. Everything that you can get from systemic poisoning
10 you can attribute to some other cause if you wanted to?

11 A. One has to determine whether they are associated.

12 Q. Isn't my question, sir, that everything that has
13 afflicted these men could have been -- the shortness of
14 breath, for instance -- could have been because of smoking or
15 because he is exposed to some other substance or because he
16 has got pneumonia or because of asthma or a number of
17 different reasons, isn't that right, sir?

18 A. These symptoms could be attributed to other things,
19 too. Yes, I agree.

20 Q. But you put them here and so far as you know from
21 the history that you got, nothing occurred to cause this
22 man's hearing to be decreased, to cause shortness of breath
23 or to cause this wheezing so far as you knew at that time,
24 isn't that correct, sir?

1 A. That is absolutely not so.

2 Q. Did you not try to eliminate -- did you not, as a
3 good examining physician, try to eliminate other possible
4 causes, sir?

5 A. Like wax in the ears?

6 Q. Excuse me. Did you not try to eliminate these
7 other causes?

8 A. That the --

9 Q. Yes, sir.

10 A. No possibility of doing that.

11 Q. Did you ask questions to attempt to eliminate it?

12 A. We probably did.

13 Q. No, Doctor. Not probably. A moment ago you said
14 you most certainly did as a good treating physician. That
15 you most certainly asked those questions. Now you are
16 hedging on it.

17 A. I am not hedging at all, sir.

18 Q. There is no question in your mind that you asked
19 the questions, isn't that correct, sir?

20 A. We probably did.

21 Q. Doctor, a good treating physician would do it,
22 period, wouldn't he, sir?

23 A. Yes, they would.

24 Q. And you are a good treating physician, aren't you,

1 sir?

2 A. I believe so.

3 Q. Therefore, you would do it, period, wouldn't you,
4 sir?

5 A. This is 35 years after the fact and I cannot tell
6 you whether or not we absolutely did it at the time.

7 Q. Doctor, my question is, as a good treating
8 physician, you would do it and, therefore, it is your
9 opinion, the best knowledge that you have is that you did
10 indeed ask questions aimed at eliminating other possible
11 causes, isn't that correct, sir?

12 A. We probably did.

13 Q. Doctor, now you are giving me the hedging again
14 probably. You don't have to give that to me because you know
15 that in your mind you did ask such questions, isn't that
16 correct, sir?

17 A. We probably did.

18 Q. And you won't give me that you did. And, Doctor,
19 what you are saying is --

20 A. 35 years after the fact I can't say anything
21 absolute about this.

22 Q. Doctor, what you can tell me absolutely, though, is
23 that a good treating physician would ask such questions,
24 aren't you?

1 A. A good treating physician would.

2 Q. Doctor, then if you say you probably did, you are
3 saying that it is possible on that occasion you were not a
4 good treating physician?

5 A. That is not the conclusion.

6 Q. Well, Doctor, if --

7 A. I don't agree with your logic, sir.

8 Q. If a good treating physician asks the question and
9 you are not sure that you did, you just speculate well maybe
10 you did, maybe you failed to ask a question that would have
11 uncovered an underlying disease that you as a good treating
12 physician should have detected and should have sent the man
13 to a hospital for treatment. Now, that is possible. If you
14 didn't ask the questions that a good treating physician would
15 ask, Doctor, isn't that correct, sir?

16 A. Would you repeat that long question please because
17 I don't understand it.

18 Q. Doctor, let me spell it out to you a little more
19 carefully, then. If you don't do that which the medical
20 profession says that you must do, that is, ask questions
21 designed to discover the cause -- for instance, if you
22 didn't, it is possible that the shortness of breath could be
23 one that has come on because of the lung cancer or tumor or
24 emphysema or some other thing. So when you get a sign like

1 that, as a good treating physician, you ask questions to make
2 sure that there is not some underlying disease that should be
3 treated because if you don't ask those questions, you are not
4 acting as a good treating physician should and you may be
5 liable to suffer the consequences if, indeed, you missed
6 something that a good treating physician would have
7 uncovered, isn't that correct, sir?

8 A. I cannot answer that question in the way you have
9 formulated it. It is a complicated question which includes
10 liability, responsibility and I really cannot answer that
11 kind of a question.

12 Q. Well, let's go it one at a time.

13 A. Why don't you do that, sir.

14 Q. A good treating physician would make sure he asks
15 that which is necessary to uncover underlying diseases?

16 A. Absolutely.

17 Q. It is not probable, is it? It is absolutely?

18 A. Absolutely. A good treating physician would.

19 Q. And you are a good treating physician, correct?

20 A. We have assumed that, yes.

21 Q. And, therefore, if you don't ask those questions
22 that a good treating physician would ask, you are either, A,
23 not a good treating physician, or, B, you have committed some
24 form of malpractice, isn't that correct?

1 A. That is not so.

2 Q. It isn't so. What other conclusions can we reach,
3 sir?

4 A. You might reach a conclusion that the physician got
5 a negative answer and didn't put it down or got a positive
6 answer and didn't put it down.

7 Q. Doctor, if he got a positive answer and didn't put
8 it down, it is a form of malpractice, isn't it, sir, that a
9 good treating physician would not do?

10 A. Not at all. It all depends how important the
11 doctor feels -- May I finish? It all depends how important
12 the doctor feels that question is and the answer is.

13 Q. Well, Doctor, it is quite important to discover the
14 cause of shortness of breath and wheezing, isn't it, sir,
15 that came on for no particular reason?

16 A. I am not sure it came on for no particular reason.

17 Q. No. Doctor, the reason you are not sure is because
18 you are, indeed, sure. You know that it came on and
19 associated with the exposure to the dioxin or the 2,4,5-T and
20 its contaminant at that time?

21 A. I am not sure at all, sir. You are making that
22 relationship. I did not.

23 Q. Did you not make that relationship?

24 A. No, I did not make that relationship.

1 Q. And, Doctor, you still haven't made that
2 relationship?

3 A. Still haven't made the relationship with respect to
4 whom?

5 Q. Shortness of breath and wheezing, respiratory
6 difficulties, as being exposure to 2,4,5-T and its
7 contaminant? Haven't you made such a relationship, sir?

8 A. We have included it in our report. We have
9 included it in a report as a possible association but not
10 having done and we were not required to do the -- May I
11 finish -- the followup examinations to determine whether or
12 not this man had a bronchitis and due to something else, this
13 was in the winter of 1950 and he could have had a
14 bronchitis. So that we, in our report, we say there might be
15 some association but we don't point it out as definite. We
16 do not.

17 Q. Now, Doctor, you have reported and made reports in
18 other places that respiratory difficulties are one of the
19 things that is an inconstant result of exposure to TCDD, have
20 you not, sir?

21 A. Others have reported it.

22 Q. And have you not also reported it?

23 A. We have only indicated it in this report.

24 Q. Didn't you indicate it in a table you prepared once

1 upon a time, Doctor? Didn't you indicate it in a table you
2 prepared once upon a time, Doctor?

3 A. Would you show me where I have done that?

4 Q. The exhibit that is offered by Monsanto into
5 evidence in this case, Table 1?

6 A. Yes, sir.

7 Q. Thank you, Doctor.

8 A. That is acute respiratory problems from
9 trichlorophenol. It has nothing to do with 2,4,5-T.

10 Q. This chart has nothing to do with TCDD?

11 A. It has only to do with it -- Read the top of it.

12 Q. Human Health Effects-TCDD. It also says acute
13 following. No doubt about it.

14 A. TCP runaway reaction or poor plant hygiene. That is
15 what it reads.

16 Q. Yes. What is the main heading?

17 A. The main heading --

18 Q. Human Health Effects - TCDD?

19 A. Right.

20 Q. And there is something that you said follows and it
21 followed this TCP runaway reaction which you know
22 incorporated the TCDD contaminant. Now you know that, isn't
23 that right, Doctor?

24 A. That has --

1 Q. Isn't that correct, sir?

2 A. Yes. But this is not -- We did not assume at all
3 or in our papers we have demonstrated, sir, that these acute
4 reactions are largely due to the irritant aspects of
5 trichlorophenol.

6 Q. Now, Doctor, the trichlorophenol has in it the
7 TCDD, does it not, sir?

8 A. But the TCDD itself --

9 Q. Could you answer this question, please, so I can
10 pass to the next one?

11 A. Yes.

12 Q. And did you not include respiratory problems as one
13 of the human health effects from TCDD?

14 A. No.

15 Q. Now, Doctor, am I misreading this table?

16 A. You are, indeed, sir.

17 Q. Doesn't this table include as a clinical feature
18 and it is -- the only clinical features you have here is eye,
19 respiratory, skin and GI irritation. Acute headaches and
20 malaise. Isn't that correct, sir?

21 A. Right.

22 Q. There are no other acute health effects except
23 these listed here, correct, sir?

24 A. From trichlorophenol.

1 Q. Is that correct?

2 A. From trichlorophenol.

3 Q. Does that say trichlorophenol?

4 A. It does not.

5 Q. It says TCDD, doesn't it, Doctor Suskind? Doesn't

6 it say TCDD, Doctor Suskind?

7 A. It does, indeed.

8 Q. These are clinical features that you have

9 attributed in this table in times past as a human health

10 effect to exposure to TCDD, have you not, sir?

11 A. Yes.

12 Q. Isn't that correct, Doctor?

13 A. In that --

14 Q. Whether it is acute or chronic or long-term or

15 subchronic or over the moon or under the moon, it is a human

16 health effect that you have attributed to exposure to TCDD,

17 isn't that correct, sir?

18 A. No, sir.

19 Q. Then why did you put it in that table, Doctor?

20 There is nothing else in this table. If it is not associated

21 with TCDD or not caused by TCDD, then what you would have

22 here for the TCDD would be a big zero, wouldn't you, sir?

23 A. No, sir.

24 Q. What are other human health effects according to

1 this table from exposure to TCDD?

2 A. I don't know what the acute health effects of TCDD
3 are.

4 Q. Doctor, what are the human health effects from
5 exposure to TCDD that you have listed in this table? Name
6 them for me please, sir?

7 A. None. This is taken out of context.

8 Q. Doctor, I will accept that answer.

9 A. This is taken out of context.

10 Q. I understand that, Doctor. Doctor, the context is
11 what you testified to on direct examination, what this chart
12 says, what you have said in other places and if you say that
13 it is not human health effects from exposure to TCDD at this
14 point in time, we will let it go at that and we will pass on
15 to something else, Doctor.

16 A. Fine.

17 Q. And, Doctor, back to the question that I am getting
18 to on the particular exhibit that we got diverted from is, so
19 far as you knew, there was nothing that occurred in the
20 interim to cause this shortness of breath, this respiratory
21 difficulty, isn't that correct, sir?

22 A. No, sir, it is not correct.

23 Q. What else did you divine or get from him that could
24 have caused this shortness of breath? What else? Point it

1 to me, please, sir. Would you point it to me what else you
2 found could have caused the shortness of breath?

3 A. We don't say what caused the shortness of breath.
4 We only state our findings there.

5 Q. Doctor, isn't your belief that these problems that
6 this man had and these other had, these respiratory problems,
7 were associated with or manifestations from the accident,
8 from the intoxication?

9 A. Absolutely not.

10 Q. Oh, Doctor, turning to page 13 of the report we are
11 reading from. Do you not say there, the four men we examined
12 have suffered from an intoxication which affected their skin,
13 respiratory tract, central nervous system, peripheral nerves
14 and so forth. Isn't that exactly what you just got through
15 saying absolutely not?

16 A. That is what we said in this report.

17 Q. And that is something that you have said just this
18 moment absolutely not, didn't you, sir?

19 A. Yes, indeed.

20 Q. And, Doctor, other things that you concluded from
21 your examination was not just for the respiratory tract but
22 the central nervous system, the peripheral nerves, the
23 hepatic tissues, the sexual factors and the endocrine system,
24 isn't that correct?

1 A. That is what we stated.

2 Q. And you go on to describe the respiratory
3 difficulty has been limited to dyspnea and wheezing, isn't
4 that correct, sir?

5 A. That is correct.

6 Q. And the very thing that you reported in finding in
7 the gentleman we are just discussing, isn't that correct,
8 sir?

9 A. That is correct.

10 Q. Doctor, you also found in this gentleman, Mr.
11 Hurley, that he had, his liver was not enlarged, didn't you,
12 sir?

13 A. That is correct, sir.

14 Q. So, the systemic poisoning has affected at least
15 the three men that you looked at thus far or the four men you
16 looked at, three of them had their livers affected and one at
17 least as of 1950, his liver is not affected, isn't that
18 right, sir?

19 A. By physical examination, yes.

20 Q. And the other three men didn't have any
21 neurological problems but yet this man did, correct, sir?

22 A. Yes.

23 Q. So, it would suggest at least that this dioxin
24 affects some people one way and others another way, doesn't

1 it, sir?

2 A. At the time we didn't know that this was dioxin.

3 Q. I understand that, Doctor. The contaminant that
4 you now know is dioxin affects some people one way and others
5 another, isn't that correct, sir?

6 A. With respect to systemic --

7 Q. Yes.

8 A. -- findings, yes.

9 Q. And, Doctor, in your continued examination of this
10 man, you found that he now has -- his deep reflexes are
11 normal. Now in 1949 he had hyperactive deep tendon reflexes,
12 didn't he, sir?

13 A. I believe so.

14 Q. But now his reflexes are normal, correct, sir?

15 A. Right.

16 Q. That would indicate that the symptoms have waxed or
17 rather wained and not waxed. Waxed is increase and wained is
18 decreased, correct, sir?

19 A. Correct.

20 Q. And he is now -- where he did have lack of
21 sensation all over the foot, the feet, he now has just slight
22 hypesthesia over both heels, isn't that correct, sir?

23 A. Correct.

24 Q. Now, Doctor, this is an apparent improvement in Mr.

1 Hurley's neurological condition, isn't it, sir?

2 A. It is an apparent improvement.

3 Q. But you did discover later on when you examined the
4 man three years later, which we will get to in a few moments,
5 that his neurological problems had increased then for 1950,
6 didn't you, sir, if you recall it?

7 A. I haven't looked at the 1953 report but I would be
8 happy to look at it.

9 Q. Well, just look for Hurley and see if you did not
10 find in 1953 -- and let me help you on the page.

11 A. Page 14.

12 Q. Page 35 or page 47. You got the report in front of
13 you, sir. That he had no vibratory sensation from the iliac
14 crest down. And on page 42, bilateral absence of vibratory
15 sensation from the iliac crest distally. Page 47, loss of
16 sensation from both extremities from the iliac crest down
17 requires further study.

18 A. I see that.

19 Q. And, Doctor, you found that in '50 his neurological
20 symptoms had decreased from what they were in '49. You found
21 in '53 that his neurological findings had increased, did you
22 not, sir?

23 A. These were probably new findings.

24 Q. They were new findings, Doctor, but it was a

1 worsening of his neurological problem as compared to what it
2 was in 1950, wasn't it, sir?

3 A. They were different, sir.

4 Q. Well, weren't they worsening, Doctor?

5 A. I can't tell you whether they were worsening or
6 not. I can only say that they were different.

7 Q. Doctor, in 1950, the only neurological problem he
8 had according to your report was a slight hypesthesia. That
9 means a slight numbness over both heels, correct, sir?

10 A. Uh-huh.

11 Q. It was limited to the heel of his feet, correct,
12 sir?

13 A. Yes.

14 Q. Where is the iliac crest, Doctor? It is right
15 here, is it not, sir (indicating)?

16 A. That is true.

17 Q. So, now from his heels, he now has lost his
18 vibratory sensation from his hips all the way down, hasn't
19 he, sir?

20 A. The reason I say that, I don't know if it is new.

21 Q. Could you answer that question, please?

22 A. Yes, that is what it means.

23 Q. Now, Doctor, this could be considered what has been
24 called by others as the waxing and waning effect of dioxin

1 exposure, could it not, sir?

2 A. I don't know.

3 Q. Could it not be, Doctor -- you also know from your
4 1953 examination that this man's condition neurologically
5 speaking got a lot worse. He got involuntary twitching of
6 his muscles in his legs. He got a lot of things which we
7 will get to in more detail, different from 1950, did he not,
8 sir?

9 A. He didn't report that in 1950.

10 Q. Page 15, Doctor Suskind.

11 A. I see it, sir.

12 Q. He reported or did you say --

13 A. He didn't report that in 1950.

14 Q. He didn't report it?

15 A. In 1950 he didn't report these findings.

16 Q. Well, if he had had it in 1950, he surely would
17 have reported it if you asked him the questions as a good
18 examining physician would ask, would he not?

19 A. We wouldn't ask whether his muscles were twitching.

20 Q. You would ask him to describe everything that is
21 wrong with him, wouldn't you, sir?

22 A. Correct.

23 Q. Now, in 1950, he did not have so far as he reported
24 any involuntary twitching of muscles in the arms and legs,

1 did he, sir?

2 A. No, he didn't report that.

3 Q. But he did have that and he so reported it in '53?

4 A. Those were the complaints.

5 Q. That is surely a worsening of his condition from
6 1950 to 1953, is it not, sir?

7 A. It is a different kind of condition, sir.

8 Q. Is he in better condition neurologically in 1953
9 than he was in 1950 so far as the reports and the
10 examinations showed?

11 A. Probably not.

12 Q. Yes. If he isn't worse, then this would be
13 considered a waxing condition with regard to Mr. Hurley,
14 would it not?

15 A. I have never heard of the term waxing and waining
16 so far as TCDD is concerned.

17 Q. Well, Doctor Carnow has described dioxin as one
18 that in which the symptoms change, they wax and they wain
19 from time to time. That is, sometimes they are better and
20 sometimes they are worse.

21 A. Doctor Carnow is no expert on TCDD, sir.

22 MR. CARR: Your Honor, would you ask the jury to
23 disregard the comment that Doctor Suskind has made?

24 THE COURT: The jury is so ordered to disregard it.

1 MR. HEINEMAN: Can we approach the bench?

2 THE COURT: Yes.

3 (Bench conference had out of the hearing of the
4 jury.)

5 MR. HEINEMAN: If indeed, if indeed what Mr. Carr
6 just said was a question, which it is questionable, if
7 indeed, then it was indeed inviting his comment about Doctor
8 Carnow.

9 THE COURT: It was not a response. It is the type
10 of remark which I should probably hold this witness in
11 contempt and I may just do that unless you give me a damn
12 good reason why I shouldn't. That remark was totally
13 unresponsive and irresponsible.

14 MR. HEINEMAN: I disagree. I think that that
15 question, if that indeed was a question rather than a
16 statement of what Doctor Carnow has testified to, invited his
17 comment on whether or not he agreed with that.

18 MR. CARR: He said he had never heard anyone
19 describe it as such and I said Doctor Carnow has described it
20 as such. That was in response to his inquiry the source of
21 the information where it come from. He has never heard of
22 it.

23 MR. HEINEMAN: He didn't ask where it came from.

24 MR. CARR: He said he had never heard of it.

1 MR. HEINEMAN: Does that ask where it came from?

2 MR. CARR: Certainly.

3 MR. HEINEMAN: If that is asking where it came
4 from, then your statement in response asks him to respond in
5 comment on it.

6 MR. CARR: It is a repsonse.

7 THE COURT: You asked him to respond to the
8 original question which was amplified by that responding the
9 source of the question and how the terms were used. That is
10 the way I interpreted and that is the way in a reasonable
11 proceeding, and the comment was totally not responsible and
12 we are going to have a discussion about that during the break
13 on the basis of that comment. Now let's proceed.

14 (The following proceedings were had in the hearing
15 and presence of the jury).

16 MR. CARR: Will the jury be instructed to disregard
17 the comment?

18 THE COURT: The jury is so instructed. You are not
19 to regard that comment in any way.

20 Q. Doctor, this change in the condition of Mr. Hurley
21 was one of improvement in 1950, and in 1953 one of worsening,
22 was it not, sir?

23 A. It would appear so.

24 Q. And if waxing and waining can be applied to dioxin

1 problems, this would be a situation of waxing and waining,
2 would it not, sir?

3 A. I don't know, sir.

4 Q. Doctor, I have given you the definition of waxing
5 and waining, conditions that can improve at one time and
6 worsen at another time. This is such a condition, if it is
7 truly reflective of Mr. Hurley's condition, isn't that
8 correct, sir?

9 A. If, indeed, you indicate that he may be worse, that
10 is true.

11 Q. And, Doctor, the impression that you have at that
12 time is that the symptom complex is, I can't make out that
13 word. It is subsiding?

14 A. On what page are you, sir?

15 Q. Mine doesn't have a page. Page 8. The number is
16 off at the top.

17 A. Yes. The impression, yes. Symptom complex is
18 subsiding. Shall I go further?

19 Q. No. I just want to know whether or not subsiding
20 was the word.

21 A. Right.

22 Q. Symptom complex. That means a number of symptoms
23 that are attributable to the particular problem when you say
24 symptom complex, correct, sir?

1 A. Right. That --

2 Q. That would be something like syndrome complex?

3 A. Could be.

4 Q. So, in this case, if one had knew at the time that

5 this was the result of dioxin exposure, could you say that

6 there was a dioxin, instead of saying symptom complex, you

7 could say dioxin syndrome, couldn't you, sir?

8 A. We haven't used it.

9 Q. I know you haven't used it, Doctor. I am not

10 asking whether or not you have used it. I am asking you

11 whether or not you could have said instead of saying the

12 symptom complex, could you have said the syndrome is

13 subsiding?

14 A. I wouldn't use it, sir.

15 Q. I didn't ask you if would you have used it, sir. I

16 know you did not use it. What I am asking you is you could

17 have used the words, the syndrome is subsiding, couldn't you,

18 sir?

19 A. I could have used it?

20 Q. Yes.

21 A. No.

22 Q. Doctor, doesn't symptom complex mean syndrome?

23 A. In some sense, yes.

24 Q. And isn't that the sense you use it here? The

1 symptom complex. You had a group of symptoms that you lumped
2 together as being caused by the same thing, isn't that right,
3 sir?

4 A. That is quite true.

5 Q. And that is what a syndrome is, isn't it, sir?
6 Things that all are caused by the same thing? One or more?

7 A. It might be.

8 Q. Yes. Well, isn't it one or more? Isn't a syndrome
9 something that is caused by something else? Syndrome simply
10 means sign or symptom, doesn't it, sir?

11 A. Syndrome means a series of complaints or a series
12 of findings which are pathonomic.

13 Q. Or a series of symptoms?

14 A. It could mean that, yes.

15 Q. And so when you say symptom complex, you mean a
16 series of symptoms, don't you, sir?

17 A. They varied in this instance.

18 Q. Excuse me, Doctor. I know they are varying but you
19 use the words the symptom complex and I am simply trying to
20 get you to agree that that is the same thing as saying the
21 syndrome.

22 A. I wouldn't say it.

23 Q. Well, the words are equivalent. Symptoms and
24 symptom complex means a group of symptoms attributable to the

1 same cause, is it not, correct, sir?

2 A. That is correct.

3 Q. And the word syndrome means a group of symptoms
4 attributable to the same cause?

5 A. It might or might not.

6 Q. All right. And you go on to say that the
7 peripheral neuropathy, the nervous complaints, and I can't
8 make --

9 A. Periorbital edema. Swelling around the eyes, sir.

10 Q. Persisted. In other words, he still has the
11 neuropathy and the nervous complaints?

12 A. But the patient is much improved.

13 Q. Doctor, if you don't mind, would you allow me
14 please to finish my question?

15 A. I thought you were asking me to read for you.

16 Q. No, Doctor. I hadn't finished my question yet.

17 A. I am sorry.

18 Q. You say here, the peripheral neuropathy, nervous
19 complaints and periorbital edema persist. That means he
20 still has these problems, correct?

21 A. That is right.

22 Q. So he still has peripheral neuropathy problems,
23 correct, sir?

24 A. Yes.

1 Q. He still has nervousness?

2 A. Right.

3 Q. Well, Doctor, over on page 7 you said that the
4 nervousness subsided and that means that it is completely
5 gone but now you are saying that the nervous complaints
6 persist. So we don't know what you mean when you say
7 subsided, do we, sir, because I just asked you a little
8 earlier there had been a noticeable subsidance in his
9 nervousness and you said that means it is completely gone and
10 now over on the next page you say that it persists?

11 A. That is true, sir.

12 Q. So, what you meant in this particular instance when
13 you used the word subsided was that they had improved but not
14 gone away?

15 A. Well, I think what I probably --

16 Q. Isn't that what you meant, sir?

17 A. You asked me about insomnia before.

18 Q. No, Doctor, I was talking about the nervousness
19 before. You said the nervousness had completely gone away.
20 That is what you meant when you said the noticeable
21 subsidance in his nervousness. I wasn't talking about
22 insomnia. I was talking about nervousness.

23 A. In this instance it probably decreased, sir.

24 Q. So, in this instance the word subsidance means

1 decreased?

2 A. It could be.

3 Q. In some other instances it would mean gone away
4 completely?

5 A. That is right.

6 Q. And we don't know, really know, then, when you use
7 the subsided or subsidance, we don't really know what you
8 meant in that particular instance unless we are lucky enough
9 to have another statement about the same complaint, do we,
10 sir? We are at the mercy of however you choose to interpret
11 the word subsided, aren't they, sir?

12 A. No, sir.

13 Q. All right.

14 A. No.

15 Q. Well, if you hadn't included that impression on
16 page 8, we would have had to take your word for it that his
17 nervousness was completely gone, wouldn't we, sir, when you
18 said has subsided?

19 A. Or markedly decreased.

20 Q. You didn't say that. You said subsidance meant
21 completely gone, didn't you, sir?

22 A. In that instance, yes.

23 Q. So, we didn't have this followup statement. We
24 would have had to take your word for it and we would have

1 believed that his nervousness had completely gone, wouldn't
2 we, sir?

3 A. Yes, sir.

4 Q. When, in fact, it hadn't?

5 A. Well, in this instance it hadn't.

6 Q. Yes. In this instance it hadn't.

7 A. In 1950 it hadn't.

8 Q. But most of the times when you use the word
9 subsided, I take it unless there is something qualifying
10 later on, you mean completely gone?

11 A. Yes, sir.

12 Q. All right.

13 THE COURT: Is this a good point for a short
14 break?

15 MR. CARR: Yes.

16 THE COURT: We will take a short recess at this
17 time. I would remind you as I do on any break you are not to
18 discuss this matter among yourselves, with anyone outside the
19 jury panel or as of yet form any opinions or conclusions
20 about the matters on trial. Court will be in a short
21 recess. Gentlemen, could I see you in chambers please.

22 COURT RECESSED:

23 (The following proceedings were had in chambers out
24 of the hearing and presence of the jury.)

1 (The witness, Doctor Suskind, is brought into
2 chambers.)

3 THE COURT: Doctor Suskind, I have repeatedly
4 suggested to counsel that they talk to you about your, the
5 way you have been answering questions or not answering them
6 on the stand. I have talked to you about it in chambers and
7 I have talked to you in the courtroom about it as late as
8 yesterday afternoon. At the end of court there was a remark
9 which I pointed out to you was an example of what was not to
10 be done and I gathered at that point that you understood what
11 was involved here.

12 This last remark when you were asked about medical
13 situation in the context of the usage of terms of waxing and
14 waining and indicating that you didn't have an understanding
15 or experience of how those terms were being used in that
16 context, an explanatory, addition to the question as to the
17 use of it, in proper form, because as is would be normal
18 under these circumstances, it indicated the source of the use
19 of these terms as far as our record was concerned which was
20 Doctor Carnow's testimony before this very jury that has been
21 listening to you. And your remark to that about Doctor
22 Carnow not being an expert, in no way being an expert on TCDD
23 was totally improper. It was not responsive to the
24 question. It was not called for by the question and it is

1 just one of a long string of times in which you have done
2 this and, in context, it is the last straw.

3 I think your actions have been wilful and
4 contemptuous. I am holding you in contempt of this court. I
5 am going to impose sanctions on you later, probably on
6 Tuesday. The imposition of the sanctions will depend to some
7 extent on your behavior on the stand between now and then.
8 There is a concept in the law of contempt called purging
9 oneself of contempt which means that by one's subsequent
10 actions, one that either lessens the sanctions that is
11 imposed or under certain extraordinary circumstances, avoid a
12 sanction completely.

13 I will probably impose sanctions on Tuesday. I am
14 not going to do it now. I am going to give you an
15 opportunity to reform your behavior under threat of this
16 imposition of sanctions and I would remind you that the
17 sanctions this court can impose will be a fine, a period in
18 the County Jail or a combination of the two. It is within my
19 discretion but I will impose sanctions in this matter. I
20 will probably do it on Tuesday.

21 I am holding you in wilful contempt of this court.
22 I don't think -- There is not a doubt in my mind that your
23 action was deliberate, wilful and contemptuous and no court
24 in the State of Illinois can stand for that kind of behavior

1 and that includes mine.

2 DOCTOR SUSKIND: May I say something, sir?

3 THE COURT: Yes, you may.

4 DOCTOR SUSKIND: I don't believe I was
5 contemptuous. I really don't. I am a decent human being and
6 I have been in the field of science for 40 years and I know
7 from where I speak and I know Doctor Carnow very well. I
8 helped him get started and I, at the present time, because of
9 what I recognize, he has been doing with respect to such
10 matters as are in this court, I act like a human being and I
11 act impetuously and that is what I have done. It is not
12 really in contempt of the court, sir. I can really --

13 THE COURT: Well, you are an impetuous individual.
14 The problem with what you have said --

15 MR. CARR: May I interrupt for a moment, Your Honor,
16 because I think I can bring something to your attention that
17 you are not aware of and that may at least justify, not
18 excuse perhaps, but at least show that Doctor Suskind was not
19 wilfully in contempt. I know it but the Court doesn't. From
20 a long series of depositions and things that have been said
21 by Doctor Carnow about Doctor Suskind and by Doctor Suskind
22 about Doctor Carnow, there is bad blood between the two.
23 Doctor Carnow said something about Doctor Suskind in Nitro,
24 West Virginia, that I personally thought was reprehensible.

1 I really did. I am not going to repeat what it was but I
2 thought it was reprehensible and it would take somebody more
3 like a saint than Doctor Suskind is not to recent it deeply.
4 I am not going to point it out because maybe counsel doesn't
5 know about it and I sure as hell don't want to put it in the
6 record. It is something that shocked me that he would say it
7 and I can understand Doctor Suskind's reaction in this
8 instance. The name of Carnow would be like Pavlov's dog. He
9 would just automatically start salivating and I think Doctor
10 Suskind is with Doctor Carnow.

11 I don't think that what he said was wilful or I
12 don't believe there was even at least a fleeting shot in his
13 mind that he was flouting the Court's authority here and I
14 must tell you that as an officer of the court that I do not
15 think the doctor was in wilful contempt of your order. He is
16 in violation, perhaps, of rules of the decorum and wasn't
17 responsive but I understand the situation and I do not think
18 that this is wilful contempt.

19 THE COURT: Well, that puts a new twist to it.

20 DOCTOR SUSKIND: Thank you.

21 THE COURT: Do you understand intellectually,
22 looking back on it now, how your response, you know, given
23 all of that as true, was in no way responsive to the question
24 that was asked of you? You were given a question. You

1 didn't understand the context of the use of two terms. You
2 were given that context in a way that properly linked it to
3 other evidence as the jury has heard it and then you said
4 what you said. Now, do you understand intellectually how
5 that was in no way responsive to what you were asked because
6 you were asked about the terms?

7 DOCTOR SUSKIND: Yes.

8 THE COURT: Okay. Well, then, I will reconsider my
9 decision on that as far as the contempt is concerned.

10 DOCTOR SUSKIND: Thank you.

11 THE COURT: Fine. Okay. Thank you, Mr. Carr, for
12 letting me know.

13 MR. CARR: I understand perfectly the doctor's
14 feelings in this situation. I really do.

15 THE COURT: Thank you.

16 (The following proceedings were had in the hearing
17 and presence of the jury)

18 RAYMOND SUSKIND
19 having resumed the witness stand, being previously sworn,
20 testified further as follows:

21 CROSS EXAMINATION

22 By

23 MR. REX CARR.

24 Q. Doctor, the next worker that you examined was a new

1 one, Harold Young. He was one of two additional persons you
2 examined that had severe symptoms just like the other four,
3 right, sir?

4 A. Yes.

5 Q. And Harold Young, while he was actually off work
6 having been exposed in the evening of the accident, he was
7 off work and remained off for four months and while he was
8 off work, he developed pains in his legs, ankles, shoulders
9 and arms, correct, sir?

10 A. Yes, sir.

11 Q. He returned to work, worked for five months, got
12 conjunctivitis, severe conjunctivitis and hadn't worked since
13 January 21, 1950, correct, sir?

14 A. Yes, sir.

15 Q. So he was off work at the time you saw him then, is
16 that right?

17 A. Yes, that is true.

18 Q. Now, he is the one that had the severe
19 hyperpigmentation occur, isn't that correct?

20 A. Yes, it is true, sir.

21 Q. And the symptoms that he had at the time that you
22 saw him in addition to the chronic conjunctivitis and the
23 discoloring or deepening color of the skin was the loss of
24 libido that he had for nine months and impotence for six

1 months?

2 A. Yes, sir.

3 Q. Now, again, these were things that you established
4 that had not afflicted this man. He had no loss of libido
5 prior to his exposure and he was certainly not impotent prior
6 to his exposure, is that correct?

7 A. That is correct, sir.

8 Q. This man, it looks to me -- I can't make it out --
9 it looks to me like he is 36 years of age at the time you saw
10 him. The print is not too good on mine.

11 A. What page are you on?

12 Q. It would be the preceding page. Page 8, second
13 line.

14 A. Age 36.

15 Q. Certainly, there would be no reason of which you
16 were aware then that would cause this loss of interest in
17 sexual relationship or to cause the impotence, that is, the
18 inability to engage in a sexual relationship, isn't that
19 correct, sir, in a 36 year old man?

20 A. Yes.

21 Q. And he still had it at that time. He was still
22 impotent when you saw him?

23 A. He complained of impotence at the time.

24 Q. Now, Doctor, you again used the word complaint and

1 you described it in your report, however, as a symptom. You
2 took it --

3 A. That is the same thing.

4 Q. You took it as a fact, did you not, sir, that he
5 was indeed impotent. He could not get an erection for the
6 past six months?

7 A. Well, this is what he told us so it would be.

8 Q. And you took that as a fact, didn't you, Doctor?

9 A. Yes.

10 Q. And, Doctor, that would have meant then that his
11 impotence occurred not at the time of the accident, or six
12 months after the accident he became impotent, is that
13 correct, sir? If you saw him in April of 1950 and he had
14 been impotent for six months at that time, that would have
15 meant that since the accident happened in March of '49, that
16 he was not impotent for a period of six months following the
17 accident and that he got impotent and was impotent for six
18 months up to the time you saw him. Got impotent, perhaps,
19 seven months after the accident?

20 A. Yes. This is an estimate that the patients --

21 Q. This is what you reported, however, is that he
22 didn't get his impotence until a period of several months,
23 six to seven months following his exposure. Then he got
24 impotent?

1 A. No, sir.

2 Q. Isn't that correct, sir?

3 A. No.

4 Q. When did he report -- How long did he say?

5 A. He said he had been impotent for about six months.

6 Q. Up to the time you saw him?

7 A. That is an estimate.

8 Q. I understand that. You don't even say estimate?

9 A. He could have been wrong by 12 months.

10 Q. I am sorry?

11 A. He could have been in error by -- when a patient

12 describes symptoms, sir, they attempt to determine how long

13 it has occurred and they have to depend upon their memory.

14 So that it isn't a hard fact, it simply is an estimate of the

15 amount of time that, in this instance, the amount of time

16 that he had been impotent and that is how we record it, sir.

17 Q. Well, Doctor, he was fairly precise in his

18 symptoms. He told you that in about a month after exposure

19 he got the severe skin condition and he told you that he

20 remained off work for four months during which time he

21 developed pains in his legs and ankles and returned to work.

22 Then he told you when he got conjunctivitis?

23 A. Yes.

24 Q. And then he told you that he got his loss of libido

1 for nine months and then he was impotent for six months.

2 That is a pretty good history, isn't it, Doctor?

3 A. It is but again, sir, if I may, these are estimates
4 that patients give.

5 Q. Doctor, I surely understand that. I am not
6 quarreling with the view that it is an estimate. The best
7 information that you had at that time and what you reported,
8 you didn't say it is an estimate. You said his present
9 symptom was that he had been impotent for six months, isn't
10 that correct, Doctor Suskind?

11 A. True, sir.

12 Q. He also had a complaint of -- and that this six
13 months, if you calculated it that way, would have been six to
14 seven months after his exposure he became impotent, isn't
15 that correct, sir?

16 A. Based upon this record, yes.

17 Q. That is what I am asking you. That is all I have
18 got to base it on. Based upon this. Do you believe that I
19 am basing it upon something else?

20 A. No, I don't.

21 Q. I am basing it on this, aren't I, sir?

22 A. Yes, sir.

23 Q. And based upon this, he got his impotence some six
24 to seven months after the exposure, didn't he, sir?

1 A. It would appear so, yes.

2 Q. And he also had complaints of wheezing and
3 shortness of breath early in his illness. Now, Doctor, there
4 is some confusion in my mind in this paragraph whether or not
5 he had the wheezing and shortness as a present symptom that
6 had persisted or started from early in his illness. Was the
7 wheezing and shortness of breath one of his present symptoms
8 as you reported it in this paragraph?

9 A. From this record, sir, I would assume that he had
10 had, not at the time we saw him but he had some wheezing and
11 shortness of breath early in his illness.

12 Q. And which he did not have at the time you saw him?

13 A. I would assume that that is what he meant.

14 Q. All right. And, Doctor, again you examined this
15 man and with him like in the others preceding him you found
16 an enlarged liver, did you not, sir?

17 A. Yes, we did.

18 Q. So, thus far in every person that you examined that
19 has been exposed to this process has had an enlarged liver,
20 is that correct, sir?

21 A. I think all but one, sir.

22 Q. No --

23 A. In 1949 there were three out of four, I believe.

24 Q. That is right. Hurley did not have the enlarged

1 liver at least in your examination in 1950.

2 A. I think so.

3 Q. All right. I think we just established that and I
4 had forgotten. And, Doctor, you again used the word symptom
5 complex to describe the problems this man has, don't you,
6 sir?

7 A. Yes.

8 Q. And you then report on Mr. Lane, do you not, sir?

9 A. We do.

10 Q. And reports that he developed his acne on the 24th
11 of March, just a couple of weeks after the accident, correct,
12 sir?

13 A. Yes, sir.

14 Q. There is no statement in this report whether or not
15 he was in 51 or worked in building 51 or just what his
16 connection with the accident was. Do you have any memory as
17 to whether he was or was not in the accident building itself?

18 A. No. We may have that in 1953, sir, but I think
19 that --

20 Q. Indeed we may have and we will get to that in a few
21 moments. But as far as you know now from this exhibit and
22 from your memory, you don't know whether he was or was not in
23 the building?

24 A. Except as a pipefitter, I assume that he was

1 probably involved in helping to clean up and we established
2 the operation. That was the purpose of the pipefitters, or
3 the job of the pipefitters.

4 Q. All right. And it is not important at this point,
5 Doctor, but Mr. Lane, to pass on to his problems, he got his
6 chloracne and then 30 days later he developed pains in the
7 legs, particularly in the knees, calves and heels, right?

8 A. Yes, sir.

9 Q. While they never did stop him from working, they
10 did prevent him from sleeping at night. The pains were so
11 severe that he couldn't sleep at night, is that correct,
12 sir? Page 12, Doctor Suskind.

13 A. Yes. The report reads that way, sir. Yes.

14 THE COURT: I couldn't hear you.

15 A. Yes.

16 Q. And he also developed wheezing in the chest and a
17 cough which lasted some nine months?

18 A. Yes.

19 Q. And he, too, complained of much loss of libido and
20 potency for a period of nine months, is that correct, sir?

21 A. Yes.

22 Q. And he had gradually improved after that nine
23 months until the time you saw him he reported to you that he
24 was normal?

1 A. Yes.

2 Q. So, contrary to the situation of Mr. Young, this
3 man was impotent for a period of nine months but got normal.
4 Mr. Young was still impotent at the time you saw him,
5 correct, sir?

6 A. He said so, yes, sir.

7 Q. Well, is it again a fact that you took, sir, that
8 he was indeed impotent because when you say he said so, that
9 puts in my mind that perhaps you doubt whether he really was?

10 A. No, it doesn't, sir. I have no doubt that he was
11 accurate.

12 Q. All right. And, Doctor, this gentleman also had
13 complaints of fatigue and still had complaints of fatigue
14 following the accident and up to the time you saw him,
15 correct, sir?

16 A. Yes, sir.

17 Q. He described it not as fatigue as such but he said
18 he tired easily?

19 A. Yes.

20 Q. He still had aching in his legs at night and as you
21 described it here, a great deal of nervousness, is that
22 correct, sir?

23 A. Yes.

24 Q. Again that is a central nervous disorder and he had

1 something that you called wild dreams. That is the first
2 time wild dreams are mentioned. Was this the first time that
3 you got from any of these gentlemen that it had affected even
4 their dreaming process?

5 A. Well, I would assume he had nightmares, sir. Wild
6 dreams.

7 Q. But my question is, is this the first encountering
8 you had in nightmares being associated with this incident in
9 these workers?

10 A. I believe of the six that we saw during that
11 period, this was the first. This was the only time we had
12 reported it and I assume that he was the only one who gave
13 that information.

14 Q. And the nightmares would fall into the category of
15 a central nervous system disorder?

16 A. It might be. Not necessarily.

17 Q. Well, what else would it be if it is not disorder
18 of the central nervous system?

19 A. There are psychological factors, sir, that lead to
20 nightmares. Nightmares are common among children, as you
21 well know, who have psychological problems.

22 Q. Well, then, this could be attributable or part of
23 the fear and apprehension about which you testified with
24 regard to these men at the workmen's compensation hearing.

1 This could be in that category. Could be caused by
2 psychological problems, that is, fear of the work, fear of
3 the contaminants, fear of going back to work or working in
4 the plant?

5 A. It could be, sir. Yes, sir.

6 Q. And, Doctor, even though you are not a
7 psychiatrist, you know that fear that generates in us whether
8 it is based upon a real condition or not is quite important
9 in one's everyday life. You know that?

10 A. It is indeed, yes.

11 Q. And in point of fact, a person could have a fear of
12 a non existent danger and he would act and have his
13 personality affected in the same manner as if the danger
14 existed in fact, isn't that correct?

15 A. That is true, sir.

16 Q. And insofar as exposure to this contaminant is
17 concerned, the fear that one can have generated from such an
18 exposure can be equivalent to or worse than the actual
19 organic problems that might occur, isn't that correct?

20 A. It might be.

21 Q. There are people that actually -- and many people,
22 unfortunately, that actually become totally dysfunctional and
23 totally unable to function simply because of fear and
24 apprehension that is not based upon any real danger or

1 circumstance, isn't that correct?

2 A. That is possible, sir. Yes.

3 Q. And all of these men or at least all -- that is too
4 strong a statement. As far as the 16 claimants were
5 concerned at the workmen's compensation hearing, they all
6 exposed -- and again I said all. I didn't mean all. A
7 significant portion of these claimants expressed fear and
8 apprehension, didn't they, sir?

9 A. I haven't read through this recently but I know
10 some of them did, yes.

11 Q. And do you know anything about -- has anybody told
12 you about the fear and apprehension that the Sturgeon
13 residents have or that the Times Beach residents have or that
14 the Minker Stout residents have?

15 MR. HEINEMAN: Objection, Your Honor. May counsel
16 approach the bench?

17 THE COURT: Sure.

18 (Bench conference had out of the hearing of the
19 jury.)

20 MR. HEINEMAN: Your Honor, there is no evidence in
21 this case whatsoever about the fear of people at Times Beach
22 or the fear of people at Minker Stout.

23 MR. CARR: Yes, there is.

24 MR. HEINEMAN: Where?

1 MR. CARR: In the Missouri health report.

2 THE COURT: Objection is overruled. I remember
3 that.

4 MR. CARR: It is there. The jury has heard it.

5 THE COURT: I didn't even mention it but I remember
6 it. Objection is overruled. While you are up here, we are
7 going to go until 1:30 at lunch. They are having some
8 demonstration of the new phone system so we might actually be
9 able to communicate with the outside world.

10 MR. HEINEMAN: So lunch will go from 12:00 to
11 1:30?

12 MR. CARR: It has been going for some other period?

13 THE COURT: Allegedly to 1:15.

14 (The following proceedings were had in the hearing
15 and presence of the jury).

16 Q. Doctor, do you know anything about any fear and
17 apprehension that may be, may have been mentioned by the
18 groups that I gave to you in the question?

19 A. Some, not all of them, sir. Some of that group.

20 Q. And that would be, what group do you have knowledge
21 of?

22 A. The Times Beach group through the report that I
23 read.

24 Q. And when you say the Times Beach group had these

1 fears and apprehensions, do you include in that the Minker
2 Stout group as well?

3 A. Sorry?

4 Q. Do you include in that the Minker Stout group as
5 well? They are frequently lumped together.

6 A. I am not sure I understand what the Minker Stout
7 group is, sir.

8 Q. Minker Stout is another location near Times Beach
9 where Bliss sprayed this contaminated oil.

10 A. My own information is simply through the report of
11 the study that was done of the so-called Times Beach
12 inhabitants by the CDC.

13 Q. You are referring to the Missouri Pilot Health
14 Study?

15 A. Right.

16 Q. And that included Minker Stout.

17 A. Thank you.

18 Q. All right.

19 A. Yes.

20 Q. Okay. And you know nothing, though, about the
21 fears and apprehensions of the Sturgeon residents?

22 A. No.

23 Q. Or the Sturgeon Plaintiffs, I should say?

24 A. No.

1 Q. All right. Doctor, the liver in this man -- we are
2 talking about Lane, are we not?

3 A. Yes.

4 Q. His liver was not enlarged, was it, sir? Top of
5 page 13.

6 A. Right.

7 Q. So we have got of the six then that you saw, four
8 had enlarged livers and two did not. The two being Lane and
9 Hurley?

10 A. Yes, that is true, sir.

11 Q. Now, Doctor, I know you are not an internist but
12 how does a chemical or toxic substance cause the liver to be
13 enlarged?

14 A. Depending upon the substance, sir, some toxic
15 substances, many toxic substances, when it gets to the liver,
16 the liver attempts to detoxify, attempts to cope with the
17 toxic agent and often in doing so is also damages or
18 irritates -- the cells of the liver may be irritated or not
19 just the liver cells but the supporting cells, the stroma
20 cells may be irritated and one gets an inflammatory reaction
21 and when there is inflammation just like inflammation on the
22 skin, it swells. The skin swells. So that in the case of
23 the liver, one can, whether it is hepatitis due to virus or
24 hepatitis due to a toxic agent, the liver can swell because

1 of the inflammatory process and when that happens, the liver
2 enlarges so that one can, by palpating the liver area on the
3 right side and then determining whether or not there is an
4 edge, usually you don't feel the edge of the liver below the
5 rib margin but in order to determine if a liver is enlarged,
6 you do an examination of that side of the body. I am not an
7 internist but I do it all the time and determine whether or
8 not the liver is enlarged below the costal or the rib margin
9 and whether or not it is tender. That is, whether or not
10 pressure will elicit pain.

11 Q. Now, Doctor, the enlarged liver in the case of
12 these men, then, had been more or less in existence since
13 they first started this systemic reaction to the dioxin?

14 A. I really can't tell you except that it would be
15 impossible to determine on the basis of their history, Mr.
16 Carr --

17 Q. Well, I understand that.

18 A. -- when it started.

19 Q. At least in October of '49 you found it in three of
20 the four men, if not all four, and I don't recall that.

21 A. Three out of four.

22 Q. Three out of four. And then in -- they still had
23 -- the same three out of four still had their enlarged livers
24 six months later, seven months later, whatever, when you saw

1 them in April of '50, correct, sir?

2 A. Right.

3 Q. So, their liver was reacting. Would this be part
4 of the immune system reacting in this inflammatory process
5 that occurred?

6 A. I really don't believe so. I think that the
7 defenses of the liver are, they can be immunologic but
8 usually in response to a toxic agent, it is the irritancy
9 that the toxic agent or it is --

10 Q. It is the direct irritant effect on the liver cells
11 itself rather than an activation of the immune system, is
12 that correct, that is causing the inflammatory process?

13 A. Well, inflammatory, an inflammation doesn't
14 necessarily involve the immune system, sir. It can and in
15 this instance we really didn't know but in most instances
16 where the liver is damaged by a toxic agent, it is based upon
17 the direct effect of the toxic agent on the liver cells and
18 the supporting structures, the stroma cells.

19 Q. Of course, the porphyrins are associated with the
20 liver function as well. Would that be involved in when you
21 get these inflamed or enlarged livers?

22 A. It might. It might.

23 Q. At this time, however, did you in either '49 or in
24 '50, did you test their porphyrins in any urinalysis? Then

1 the charts that you associated -- the records I don't find
2 any reference to it.

3 A. I don't believe there was a record to it, sir.

4 Q. So, actually at this time, then, you have no
5 knowledge as to whether or not any of these men would have
6 any of the porphyrias or any precursor to porphyrias, is that
7 correct, sir?

8 A. Yes. We would have some knowledge.

9 Q. And as manifested by what?

10 A. Manifested by the clinical effects of hepatic
11 porphyria. If this was a problem induced which would have
12 induced changes in the liver and heme metabolism or the
13 ability to form hemoglobin, the ability to form hemoglobin,
14 we might have seen it in the clinical evidence of the skin
15 manifestations and easily sunburn. That is photosensativity.

16 Q. You are talking about porphyria cutanea tarda?

17 A. Yes.

18 Q. I did not ask you about porphyria cutanea tarda. I
19 am talking about intoxication porphyria, chemically induced
20 porphyria. It is not porphyria cutanea tarda. My question
21 is, did you have any way of knowing whether or not these men
22 had a form of chronic hepatic porphyria or intoxication
23 porphyria? Did you do anything to let you know whether they
24 did or did not have it at that point in time?

1 A. Yes, we examined them clinically and there was no
2 clinical evidence of porphyria, sir.

3 Q. What would you expect to find in a clinical
4 examination that would demonstrate porphyria?

5 A. We would expect to find skin changes.

6 Q. That is, porphyria cutanea tarda?

7 A. That is produced by intoxication with chemical
8 agents as well.

9 Q. Doctor, in what instance are you aware of where it
10 was not porphyria cutanea tarda?

11 A. Porphyria cutanea tarda had indeed been caused by
12 chemical agents, is what I am saying.

13 Q. Well, Doctor, but I am asking you about a form of
14 porphyria that is not porphyria cutanea tarda. That is, what
15 is known as intoxication porphyria. Are you familiar with
16 the term, sir?

17 A. I am, indeed.

18 Q. All right. And, Doctor, you can have intoxication
19 porphyria without any skin signs whatsoever, can you not,
20 sir?

21 A. But you have other manifestations which they did
22 not have, sir.

23 Q. I understand that. But I am talking about the skin
24 manifestation. You can have intoxication porphyria because

1 of the skin manifestations?

2 A. You might.

3 Q. Now, Doctor, what else could you look for clinically

4 to find out whether or not these gentlemen had forms of

5 intoxication porphyria?

6 A. One would look for gastrointestinal problems when

7 taking drugs or alcohol, which they did not have.

8 Q. Anything else, Doctor?

9 A. Sometimes there are neurological problems which may

10 occur as a result of acquired hepatic porphyria.

11 Q. You can have peripheral neuropathies from acquired

12 hepatic porphyria, can you not, sir?

13 A. Not frequently but it might be, yes.

14 Q. What are the neuropathy problems that you get with

15 acquired or hepatic porphyria?

16 A. You have some sensory changes.

17 Q. Anything else?

18 A. And you can get neuritis.

19 Q. That is pain in the nerves, pain in the legs.

20 Anything else, sir?

21 A. Well, those are the chief -- oh, yes, and you can

22 get also some central nervous system problems.

23 Q. Neuro-behavioral problems?

24 A. You might.

1 Q. What about reflex changes?

2 A. Sorry, I didn't hear you.

3 Q. What about reflex changes?

4 A. You might get reflex changes but that is not very

5 common, sir.

6 Q. You could get diminished or slowed reflexes,

7 couldn't you, sir?

8 A. Not frequently, sir.

9 Q. Well, my question is, can you get it with acquired

10 or hepatic porphyria?

11 A. You might get it.

12 Q. What about slowed nerve velocity conduction? Could

13 you get that with acquired or hepatic porphyria?

14 A. I do not know, sir.

15 Q. You don't know one way or the other?

16 A. No, I really don't know.

17 Q. But, do you know that you can get all of those

18 things that you have just mentioned through intoxication,

19 acquired, chemical or hepatic porphyria?

20 A. It is possible.

21 Q. All right. And, Doctor, these men had -- you also

22 get problems with the blood, don't you, sir? You can, with

23 acquired hepatic porphyria?

24 A. Problems with the blood?

1 Q. Blood problems?

2 A. Such as what?

3 Q. Well, prothrombin time abnormalities?

4 A. I really don't know. I don't think so.

5 Q. These men had prothrombin time abnormalities,

6 didn't they, sir?

7 A. They did but they didn't have porphyria, sir.

8 Q. Well, Doctor, you did no urinalysis to show whether

9 they did have porphyria, isn't that correct, sir?

10 A. At the time we did it, Delta-ALA was not even known

11 in 1949.

12 Q. But urinalysis was known and you did not do that,

13 did you, sir?

14 A. No, we did not.

15 Q. But they did have prothrombin time decreases, they

16 did have central nervous disorders, they did have peripheral

17 disorders, peripheral nerve disorders, did they not, sir?

18 They did have peripheral neuritis, didn't they, sir?

19 A. Yes, sir.

20 Q. They had all of those things which can be a sign of

21 acquired intoxication or hepatic porphyria, did they not,

22 sir?

23 A. They did not have acquired hepatic porphyria.

24 Q. My question is, they have had these signs which you

1 said can be caused by acquired intoxication or hepatic
2 porphyria, did they not, sir?

3 A. They might.

4 Q. No, Doctor. They had these signs, didn't they,
5 sir? Doctor, did they have these signs or not?

6 A. They had those signs, sir.

7 Q. And cannot those symptoms, those problems, be
8 caused by, and didn't you just say they could be caused by
9 hepatic porphyria, intoxication porphyria, acquired porphyria
10 or chemical porphyria?

11 A. I didn't say that, sir.

12 Q. You didn't say that, sir?

13 A. No.

14 Q. I --

15 A. Can I explain?

16 Q. Didn't you tell us you were --

17 A. You were asking me about intoxication porphyria.

18 Q. I asked you about the problems that can be caused
19 by intoxication porphyria and didn't you tell me that
20 peripheral neuritis could be caused by it?

21 A. Yes.

22 Q. Didn't you tell me that neural behavioral problems
23 can be caused by it?

24 A. They might.

1 Q. And didn't you tell me that blood problems could be
2 caused by it?

3 A. I am not sure what the blood problems are.

4 Q. Excuse me. Didn't you tell me that? Problems
5 involving prothrombin time or heme manufacture?

6 A. Heme metabolism, correct.

7 Q. And, Doctor, these men had these problems which
8 could be caused by porphyria or they could be caused by
9 porphyria. But they could be caused by porphyria, could they
10 not, sir?

11 A. They might but there would be other symptoms.

12 Q. All right, Doctor. The other symptoms would be
13 what? Skin symptoms, because, Doctor, you said skin symptoms
14 is porphyria cutanea tarda and may or may not be associated
15 with intoxication porphyria, isn't that correct, sir?

16 A. It may or may not, right.

17 Q. And, Doctor, you also had the signs of the
18 irritability, the nervousness, the insomnia, the libido, the
19 impotence, did you not, sir?

20 A. Yes.

21 Q. All of these things can be caused by acquired or
22 chemical or intoxication or hepatic porphyria, can they not?

23 A. No, sir.

24 Q. Are these not central nervous system disorders?

1 A. No.

2 Q. Well, which are not, sir?

3 A. I am not sure that the impotence is a central

4 nervous system disorder.

5 Q. I think you are right. It is not. The loss of

6 libido would be, wouldn't it, sir?

7 A. Not necessarily.

8 Q. It could be, sir?

9 A. It could be fear.

10 Q. Well, you mean they are fearing the -- the fear of

11 the chemicals cause them to lose interest in sex or fear for

12 their life, presumably, or their future health has caused

13 this disinterest in sex?

14 A. Right. It could be. Absolutely.

15 Q. Or it could be a symptom of a central nervous

16 system disorder?

17 A. No, sir.

18 Q. Loss of libido could not be?

19 A. It might.

20 Q. Well,, that is what I asked you, sir. It could

21 be. It might be, mightn't it, sir?

22 A. It might be.

23 Q. Now, Doctor, you did find as well and concluded as

24 well, did you not, that these men had suffered from an

1 intoxication, didn't you, sir?

2 A. Yes, I think we concluded that.

3 Q. And the intoxication would be a chemical
4 intoxication, wouldn't it, sir?

5 A. Yes, sir.

6 Q. And the chemical intoxication would be that
7 material which escaped from the autoclave which would include
8 the contaminant which we now know is 2,3,7,8 TCDD, isn't that
9 correct, sir?

10 A. That or other contaminants.

11 Q. Well, it was your judgment then that it was
12 associated with or caused by the TCDD?

13 A. Then, no, sir.

14 Q. Doctor, the table that you --

15 A. I thought you were referring to these conclusions
16 at the time we didn't know there was TCDD, sir.

17 Q. Doctor, I understand that and I didn't mean to
18 mislead you to try to get you to say that you knew it was
19 TCDD. I know that you didn't know that it was TCDD. I know
20 that you learned later that it was but you did know that
21 there was something in that without a name that was causing
22 it because you knew the pure 2,4,5-T didn't cause the
23 problems whereas the manufactured 2,4,5-T did cause the
24 problems. Those things you knew and, Doctor, so, therefore,

1 the intoxication with this chemical caused these problems,
2 did it not, sir?

3 A. No, sir.

4 Q. Doctor, what did you -- didn't you say that they
5 suffered from an intoxication?

6 A. Yes, we said that.

7 Q. And the intoxication is with the chemical in
8 question, is it not, sir?

9 A. It could be several chemicals.

10 Q. Is the chemical in question which is the 2,4,5-T
11 with it is various contaminants?

12 A. Yes, sir.

13 Q. And that is what intoxicated these people?

14 A. Yes, sir.

15 Q. And they had from that intoxication not just the
16 skin problems but the respiratory problems, central nervous
17 system problems, peripheral nerve problems, hepatic tissue
18 problems, sexual factors of their endocrine system. Now,
19 Doctor, the sexual factors from the endocrine system is not
20 psychological, is it, sir?

21 A. No.

22 Q. This is something that the endocrine system is
23 involved in the manufacture of the sperm and the semen and
24 everything else, isn't it, sir?

1 A. Indirectly, yes, sir.

2 Q. And this is -- you found at that time that the
3 sexual factors in their endocrine system could well be a
4 result of this intoxication, didn't you, sir?

5 A. We assumed it was the endocrine system that was
6 involved, sir. There was no definite proof.

7 Q. Did you know there was a sexual problem involved?

8 A. Correct.

9 Q. Have you been told that Doctor Zaneveld of
10 Northwestern University testified that he found that 53
11 percent of the Sturgeon male plaintiffs had reduced total
12 sperm count, abnormally reduced sperm total count in the 1983
13 examinations of the sperm that he did? Were you aware of
14 that, sir?

15 A. No, sir.

16 THE COURT: Mr. Carr, is this a good point to break
17 for lunch?

18 MR. CARR: Yes.

19 THE COURT: We will break for lunch at this time.
20 We are going to go until 1:30 today rather than 1:15. The
21 admonishments that I have given you earlier will apply during
22 this break also. Court is in recess for lunch.

23 COURT RECESSED:

24 (The following proceedings were had in the hearing

1 and presence of the jury)

2 RAYMOND SUSKIND

3 having resumed the witness stand, being previously sworn,
4 testified further as follows:

5 CROSS EXAMINATION

6 By

7 MR. REX CARR.

8 Q. Doctor, referring back to Plaintiffs' 1700, your
9 1950 report, from the portion that is described as the
10 summary, I believe we were in the middle of that at the
11 time. Have you got it in front of you?

12 A. Yes.

13 Q. And you and Doctor Ashe described the respiratory
14 difficulties being limited to the shortness of breath, the
15 wheezing, I think we mentioned that already, and the
16 neurological manifestations were characterized by localized
17 pain and weakness which have been associated with the history
18 of pathologic findings of peripheral neuropathy. Correct,
19 sir?

20 A. Yes.

21 Q. And the central nervous system symptoms were
22 irritability, nervousness, insomnia and I believe we already
23 mentioned that. Those examined consistently had a loss of
24 libido and some impotence. You also stated that you found

1 hepatomegaly, tenderness, soreness in the right upper
2 quadrant and epigastrium in addition to a delayed prothrombin
3 time and those things indicate a disorder of the hepatic
4 tissue?

5 A. Yes. We do say so.

6 Q. And the hepatic tissue, the disorder that you are
7 talking about there, is a disorder of the liver, isn't that
8 correct?

9 A. Yes. Hepatic means liver.

10 Q. So what you are saying is the enlarged liver, the
11 tenderness and the soreness and the delayed prothrombin time
12 indicates to you and Doctor Ashe some disorder of the liver?

13 A. Yes, sir.

14 Q. All right. You also point out that in eight out of
15 nine of your subjects who have these clinical findings, that
16 the serum lipids were definitely increased; in four out of
17 seven they had an increase of total serum cholesterol,
18 correct. Sir?

19 A. Yes.

20 Q. Then you make a final conclusion saying that it is
21 our considered opinion -- and by that I take it you mean that
22 it is not an opinion given lightly but an opinion that you
23 have come to after analyzing all the facts and circumstances
24 that you know of at that time, is that correct, sir?

1 A. Yes, sir.

2 Q. It was your considered opinion that the
3 intoxication in each person affected has given rise to a
4 disturbance of lipid metabolism which has affected several
5 organ systems, is that correct, sir?

6 A. Yes.

7 Q. And I take that to mean that the intoxication by
8 the chemicals and contaminants involved cause the lipid
9 metabolism in each of these persons to be disturbed and the
10 disturbance of the lipid metabolism is what affected these
11 several other organ systems, is that correct?

12 A. That was our belief at the time, sir.

13 Q. Is that what you meant at the time?

14 A. That was our belief at the time, sir.

15 Q. All right. Now, with relation to later findings or
16 later reports, you reported -- could you give Plaintiffs'
17 Exhibit 1467 to the witness and Defendant's Exhibit 62 at the
18 same time. Plaintiffs' Exhibit 1467 is the so-called
19 morbidity study which you did of all these workers and had
20 published in 1984, correct, sir?

21 A. Correct.

22 Q. You make a statement with reference to the symptoms
23 and findings in the second column on the first page, right
24 toward the bottom of that column. You say, you point out the

1 symptoms that you had found in '49. You say these symptoms,
2 those symptoms and findings referable to the nervous system
3 and liver had subsided but chloracne, although much improved,
4 persisted, do you not, sir?

5 A. As of 1953?

6 Q. Yes?

7 A. Yes.

8 Q. And by that you meant that the symptoms and
9 findings in the nervous system in the liver had gone away but
10 the chloracne continued?

11 A. Correct.

12 Q. All right. And, Doctor, also in Exhibit 62 which is
13 the mortality study by Zack and Suskind published in January
14 of 1980, on the second page of that document, you again
15 referred to the 1953 examination of these people, do you not,
16 sir? The second paragraph, first column, second page. Are
17 you with me, sir?

18 A. Yes, I am.

19 Q. It starts in 1953. You say there in a few cases,
20 workers continued to complain of aches and pains of the lower
21 extremities and back, nervousness, excessive fatigue and
22 dyspnea, shortness of breath. Do you not, sir?

23 A. Yes, we do.

24 Q. And again you are referring to the examination that

1 took place, the third examination that took place, that is,
2 in 1953, correct, sir?

3 A. Yes.

4 Q. All right. And in this document in 1980, you say
5 there are few workers. In a few cases, workers continued to
6 complain but in your later paper in 1979, you say those
7 symptoms had gone away, don't you, sir?

8 A. Subsided.

9 Q. Well what you said --

10 A. There was a decreased number of them in this
11 instance.

12 Q. Well, I thought you meant --- You told us that means
13 had gone away?

14 A. Well, again I think we are concerned about the work
15 subsidance, sir.

16 Q. Well, didn't you tell us just a second ago that you
17 meant by that that those symptoms and findings referable to
18 the nervous system and liver had gone away?

19 A. In most instances.

20 Q. All right. And what you are saying in effect is
21 the same thing that you have said in 1982. In 1980, then, in
22 a few cases they continued to complain of aches and pains of
23 the lower extremities, correct, sir?

24 A. Correct.

1 Q. Now, a few cases would be, I think we have
2 established yesterday, would be two to four cases?

3 A. I have no idea. I haven't counted them, sir.

4 Q. Well, I am asking you what you mean by the word few
5 in this document?

6 A. Well, not having gone through this recently to
7 count noses, sir.

8 Q. I understand that, Doctor.

9 A. I can't tell you.

10 Q. Well, I would like to know. Do you not mean in a
11 few cases as you have said yesterday the word few means two,
12 three, four cases?

13 A. It could be that that was so. It might have been.

14 Q. It certainly wouldn't be the majority. A few cases
15 would be a small minority, wouldn't it, sir?

16 A. It would be a minority of the cases.

17 Q. And like two to four?

18 A. It might be that or perhaps even more but -- Not
19 having counted them, I can't tell you.

20 Q. What you are telling the world, though, in 1980 and
21 in 1984, that these workers that you examined in 1953, four
22 years after they were exposed, four and a half years,
23 whatever the date was in '53, that their complaints, that the
24 aches and the pains of the lower extremities in the back and

1 the nervousness, the excessive fatigue, the shortness of
2 breath, these things had gone away except in a few cases?

3 A. Right.

4 Q. All right. And, Doctor, you know, of course, the
5 effect of this is these two documents, the Zack-Suskind
6 mortality study and the Zack-Suskind morbidity study are
7 relied upon by scientists all over the world as a report of a
8 responsible organization to the fact that you may get exposed
9 to TCDD but within four years or so of the time you are
10 exposed, your aches, your complaints about fatigue, your
11 nervousness, excessive fatigue and shortness of breath are
12 going to go away except in a few cases. Isn't that what you
13 are telling the world?

14 A. Yes, sir.

15 Q. And you want the world to rely upon that, don't
16 you, sir?

17 A. If they are reported accurately, they should rely
18 on it.

19 Q. And people do. Since these are both peer reviewed
20 papers, they rely upon the integrities and the ability of the
21 authors of the document to accurately and truthfully report
22 what they discovered in 1953, isn't that correct, sir?

23 A. That is true.

24 Q. Because the people reading the document can't go

1 back. They don't have access to the 1953 examination that
2 you conducted, do they, sir?

3 A. One would expect them to --

4 Q. You would expect them to rely upon your integrity,
5 the integrity of Judith Zack from Monsanto who was the senior
6 or lead author of the 1980 publication, they would expect you
7 all to report completely, truthfully and accurately what you
8 did in fact find relative to the complaints of these workers
9 in 1953, isn't that correct?

10 A. Yes, sir. Which we did.

11 Q. And, Doctor, it goes beyond just this case because
12 there are so few studies on human health effects. These two
13 studies that you authored make up a great deal of the world's
14 scientific literature on what are the human health effects of
15 exposure to TCDD, isn't that correct, sir?

16 A. Correct.

17 Q. And it has been relied upon. Your report has been
18 relied upon by governmental agencies, not just in this
19 country but all over the world, I suppose, correct, sir?

20 A. I believe so.

21 Q. It has been made a major foundation stone in the
22 position that Monsanto and other companies responsible for
23 the manufacture of TCDD have given to the world saying don't
24 worry about what TCDD will do because within a few years, the

1 health effects such as aches and pains and fatigue, all these
2 things, and nervousness, all of these things will go away
3 except in a few cases, isn't that correct, sir?

4 A. Correct.

5 Q. And, Doctor, if the foundation is flawed, is false,
6 is untrue, then the entire edifice that is built on that
7 foundation is also unworthy of belief and fails, isn't that
8 correct, sir?

9 A. I don't know what you are talking about, sir.

10 Q. Doctor, if the world has perceived and at least
11 half of the literature refers to health effects, the
12 population study, if the world had relied upon something that
13 is untrue, if in fact an overwhelming majority of the
14 workers, 90 percent of the workers or 75 percent of the
15 workers continued to complain of aches and pains and
16 nervousness and fatigue four years after their exposure,
17 contrary to what you said, the edifice upon which that
18 foundation stone is based would also be faulty, wouldn't it,
19 sir?

20 A. If indeed we had reported it wrong.

21 Q. Yes. Now, Doctor, I expect at this point in time
22 to demonstrate that you have indeed reported it wrong to the
23 world, and that the foundation, the world's concept or view
24 of the health effects of TCDD is not that they disappear in a

1 few years but that they have persisted and that in your last
2 examination as of 19 -- in your examination as of 1953, that
3 the overwhelming majority of the 36 workers that you examined
4 at that time continued to complain of aches and pains,
5 fatigue, nervousness, central nervous disorders. And if I
6 demonstrate that, sir, you will agree that you have done a
7 disservice to the world at large, will you not, sir?

8 A. I can't say. I haven't heard this.

9 Q. I know but if I do demonstrate that, then you
10 indeed have done a disservice to the world at large?

11 A. No, sir, because I haven't said that.

12 Q. But you did say it, Doctor. You did say in a few
13 cases, only in a few cases, did these complaints persist, did
14 you not, sir?

15 A. Correct.

16 Q. And if we demonstrate that that is untrue, then you
17 have done the world a disservice?

18 A. I have not done the world a disservice.

19 Q. Even if you say that falsely, sir?

20 A. Because I haven't said it falsely.

21 Q. But assume if you said it falsely. Assume that I
22 can demonstrate what you said is untrue, sir, then you have
23 done the world a disservice?

24 A. I cannot assume that, sir.

1 Q. Please assume it.

2 A. I cannot.

3 MR. HEINEMAN: Objection, Your Honor.

4 MR. CARR: I asked the witness to assume that I can
5 demonstrate what I say I can demonstrate at this point, Your
6 Honor.

7 MR. HEINEMAN: Objection, Your Honor. He has no
8 right to do that.

9 Q. Suppose somebody else had said something false of
10 that sort, untrue of that sort, inaccurate of that sort,
11 would you agree that they have done the world a disservice?

12 A. No. I would look at the details first.

13 Q. Assuming, sir, that the details show that the
14 statement is not true, is not correct, is not accurate.
15 Assume that, sir, not you, because you are personally
16 involved, but Judith Zack or Doctor Carnow or Doctor
17 Blonsky. Assume, sir, that they made these statements, not
18 you, and that the statements they made were untrue. Would
19 they not have done the world a disservice, sir?

20 A. I don't know because I would want to as a
21 scientist --

22 Q. Doctor, I am asking you to assume in the
23 hypothetical situation that they did inaccurately, falsely,
24 improperly, untruthfully report something that wasn't so and

1 that the world in relying upon that statement came to certain
2 conclusions?

3 MR. HEINEMAN: Objection. Your Honor, may counsel
4 approach the bench?

5 Q. Would you not have done the world a disservice
6 under the circumstance?

7 MR. HEINEMAN: Could counsel approach the bench?

8 THE COURT: Sure.

9 (Bench conference had out of the hearing of the
10 jury.)

11 MR. HEINEMAN: I object, Your Honor. He has no
12 right to ask the witness to assume anything that isn't in
13 evidence. He has no right to assume anything that isn't in
14 evidence.

15 MR. CARR: I believe I certainly can. It is logical
16 and were taken as given. The man has practically said it
17 already that if it is not true, that he just doesn't believe
18 it is not true.

19 THE COURT: I don't think the entire question is
20 improper. Your objection is overruled.

21 (The following proceedings were had in the hearing
22 and presence of the jury).

23 Q. Will you assume that, sir? I know you are looking
24 at your records, Doctor, but we will get to your records in a

1 moment. Assuming, sir, that what I said is true, hasn't that
2 scientist done the world a disservice?

3 A. Not necessarily, sir.

4 Q. Not necessarily. You think they may have, though?

5 A. They might.

6 Q. All right. Now, Doctor, I expect to demonstrate
7 with you and we will go through your 1953 report --

8 A. Sir, I cannot hear you.

9 Q. I am sorry. My back was to you and I should not
10 have turned my back to you, sir. I expect to demonstrate
11 that what I have suggested to you about the truthfulness or
12 the accuracy of your statements in these documents are not
13 correct and I have, if you would compare, the 1980 statement,
14 sir, I will read it to you. You need not come down, Doctor,
15 I will read it to you. I have properly quoted from your 1980
16 statement, in a few cases, workers continued to complain of
17 aches and pains of the lower extremities and back,
18 nervousness, excessive fatigue and dyspnea, correct, sir?

19 A. Yes.

20 Q. Now, Doctor, if we could -- do you have Defendant's
21 Exhibit 1701? Now, Doctor, you recognize 1701 as the report
22 on a clinical and environmental survey made of the Monsanto
23 Chemical Company facility at Nitro, the Kettering Laboratory,
24 Department of Preventive Medicine and Industrial Health. Do

1 you not, sir?

2 A. Yes, sir.

3 Q. And this is the report that you and --

4 A. Doctor Akin and Doctor Davis.

5 Q. And you were the senior, however, of the group,

6 were you not, sir?

7 A. Yes, sir.

8 Q. And it is this report that makes up the 1953

9 examination of these people, doesn't it, sir?

10 A. Yes, sir.

11 Q. I would like to pass the first -- the report by the

12 way, Doctor, includes not just the report on the workers but

13 an environmental survey of the plant. It is done by some

14 engineers, correct, sir?

15 A. That is correct.

16 Q. And you were not author of the engineering report?

17 A. I was not, sir.

18 MR. CARR: I would like to pass to the jury that

19 part of the document which he was the author of.

20 THE COURT: That has been admitted.

21 MR. CARR: But I am passing to the jury not the

22 engineering report but just the medical report that he made

23 and I don't know whether the Court has a copy of that or not.

24 THE COURT: I don't have a copy.

1 MR. HEINEMAN: What pages are you passing?

2 MR. CARR: Everything but the engineering report
3 that starts at the end.

4 (Defendant's Exhibit 1701 is passed to the jury.)

5 Q. Doctor, the part one, the clinical survey, that
6 which had been passed to the jury and that page, first page
7 without a number on it but it just says report, gives the
8 objective of the, the general objective of the study, does it
9 not, sir?

10 A. Would you repeat the question please, Mr. Carr?

11 Q. The third page on the document that you gave to the
12 jury, that is the Exhibit 1701, the page entitled report, a
13 clinical and hygienic survey, gives the general objective of
14 the study, does it not, sir?

15 A. You are talking about the hygienic survey?

16 Q. No. I am talking about the third page of the
17 document you have. Do you have the document in front of you?

18 A. I have the document here.

19 Q. You are on the page number three instead of the
20 third page. That is the page right there.

21 A. Fine.

22 Q. Doctor, it points out there that the clinical
23 survey deals with those problems that have dealt resulting
24 from the accident in March of '49 in building 41 as well as

1 those which have developed from the operations since October
2 of 1948, correct, sir?

3 A. Correct.

4 Q. I am sorry, sir?

5 A. Yes.

6 Q. So, it includes not just those that were exposed to
7 the accident itself but those that were involved in the
8 process as far back as October of 1948, correct?

9 A. That is true, sir.

10 Q. And you point out that the, on the page numbered
11 two, that some of the people involved had left the company of
12 the 117 cases of chloracne that were associated with the
13 accident, that there are 97 others included, correct, sir?

14 A. 97 who were exposed.

15 Q. Additional cases of chloracne were believed to have
16 originated from the regular operations in the production of
17 2,4,5-T which was put in operation in the Fall of '48?

18 A. That is how it is reported, sir, yes.

19 Q. And this survey deals with 36 of those people, 11
20 who were in the accidental exposure and 25 who were just in
21 the regular operations, correct, sir?

22 A. I believe it is 10 and 26, sir.

23 Q. Well, I think it ended up that way because one was
24 questionable but if you look at the bottom of page two, sir.

1 Page number two it says there, does it not, the group
2 examined consisted of 11 affected workers to the accident
3 exposure and 25 from the production, is that correct, sir?

4 A. Yes.

5 Q. And there was one that was questionable. The 11th
6 one was questionable, was he not, sir?

7 A. Right.

8 Q. So he may or may not have been exposed to the
9 accident. That is the reason you now say 10 and 26, correct,
10 sir?

11 A. Correct.

12 Q. And, Doctor, in this instance, you did do
13 urinalyses, did you not?

14 A. We did.

15 Q. On these 36 people. This urinalysis?

16 A. I am sorry. We didn't do it on all 36.

17 Q. You are correct. You did not. You did it on a
18 chosen number of people in certain instances, correct, sir?

19 A. Right.

20 Q. Is that correct, sir?

21 A. Yes, that is correct.

22 Q. The urinalysis that you did was a spot urine
23 sample, was it not?

24 A. Yes, it was, sir.

1 Q. That is, it was not a 24 hour sample?

2 A. That is correct, sir.

3 Q. And you did it for the purpose of determining the
4 urinary porphyrins, among other things, did you not, sir?

5 A. We thought we did, yes.

6 Q. Well, that was the reason you say here that you did
7 it, isn't that correct, sir? To determine the urinary
8 porphyrins?

9 A. Precisely.

10 Q. And you believed at that time that you could
11 determine the urinary porphyrins with this spot sample, did
12 you not?

13 A. We thought we did by extrapolation.

14 Q. And if you carry it on forward, you did the same
15 spot sample in your morbidity sample of 1949 at the Nitro
16 Plant, did you not?

17 A. Yes, sir.

18 Q. And you did the same spot urinary porphyrin sample
19 in 1979 at the Sauget, Illinois, plant, did you not?

20 A. We did, indeed.

21 Q. And you reported in your 1953 study on the urinary
22 porphyrins, didn't you, sir?

23 A. Yes, sir.

24 Q. And you found, according to this record, that the

1 urinary porphyrins were normal, didn't you, sir?

2 A. We so stated, sir.

3 Q. That is what you found?

4 A. We so stated at the time.

5 Q. My question is, Doctor, you found at the time
6 according to in the urinalysis that they were normal?

7 A. According to our knowledge of porphyrins at the
8 time.

9 Q. Doctor, please. I am asking you as your knowledge
10 was at that time.

11 A. Correct. You are absolutely right, Mr. Carr.

12 Q. You can change your mind from a dozen different
13 reasons later on if you want to. My question is directed at
14 that time you analyzed the porphyrins and found that they
15 were normal, did you not, sir?

16 A. Correct.

17 Q. And, Doctor, you on the next page you point out the
18 various types of exposure, don't you, sir?

19 A. Yes, sir.

20 Q. That is on page number four. On this page you find
21 that 10 were in the accident, 12 were in operations in
22 building number 34. In building number 34, what do they do
23 there, sir?

24 A. There was where they made 2,4,5-T from

1 trichlorophenol.

2 Q. In building 51?

3 A. That is where they made trichlorophenol at that
4 time.

5 Q. In building 51 there were four workers that you
6 examined from that building?

7 A. Yes.

8 Q. Correct, sir?

9 A. Yes.

10 Q. There were two that did nothing but haul 2,4,5-T
11 that you examined, correct, sir?

12 A. Correct.

13 Q. There were three maintenance workers in 51 and 34
14 that you examined but they were not in building 41 where the
15 explosion took place, correct, sir? Is that correct, sir?

16 A. Building 41 wasn't used at the time, sir.

17 Q. I wonder if you could answer my question?

18 A. Yes.

19 Q. And you had combined exposures, two that were in
20 both 34 and 51, one that was in buildings 40 and 46 and 34,
21 one that was in building 79, 16 and 34. The one in building
22 79, that is where they packaged the 2,4,5-T, is it not, and
23 shipped it out?

24 A. I can't say. I don't remember, sir.

1 Q. All right. It is not important at this time. And
2 I am not sure that that is correct. But in any event, you
3 found another one that had been involved in the drying of
4 2,4,5-T in buildings 46 and 79, correct, sir?

5 A. Yes.

6 Q. Now, in addition to those which you noted that they
7 occurred, there were persons that had worked in the
8 laboratory that had the chloracne. There were medical
9 persons who treated the affected people and persons who
10 visited, and these are plural, persons who visited the areas
11 of exposure occasionally. For instance, the safety director
12 also developed chloracne, correct, sir?

13 A. Yes, sir.

14 Q. Now, the next paragraph points out that one of
15 these persons did not develop chloracne, do you not, sir?

16 A. On page 5, sir?

17 Q. The very same page, sir. You say there it is
18 apparent from the history that the cutanea symptoms in all
19 except one developed prior to the other symptoms. Do you see
20 that, sir?

21 A. Yes.

22 Q. All right. On the next page, you give the
23 distribution of these various complaints that they had. 35
24 had cutaneous lesions out of the 36. One person did not get

1 chloracne, correct, sir? Do you see that table, sir?

2 A. Yes.

3 Q. So, we have got 35 had got chloracne and one person
4 that did not get chloracne?

5 A. Yes.

6 Q. So that would indicate that you can be exposed to
7 TCDD, get systemic problems, pains, fatigue and irritability
8 and never get chloracne, doesn't it, sir?

9 A. No, it does not.

10 Q. Doctor, do you not say that this person had the
11 complaints of pain, fatigue and irritability but did not get
12 chloracne?

13 A. He complained about it and we in looking at his
14 record, sir, and in talking with him, we determined that he
15 was a complainer and that was Mr. Kyle.

16 Q. Doctor, what you put in your list here, that there
17 are symptoms that these people have and they add up to the 36
18 for all the problems other than the chloracne, don't they,
19 sir?

20 A. These are subjective symptoms, sir.

21 Q. Doctor, I understand that. They are all subjective
22 in these complaints and that is what we are talking about.

23 A. In 1953.

24 Q. Doctor Suskind, if you could --

1. A. Yes, go ahead, sir.

2 Q. You reported here that this person had these
3 symptoms of pain, fatigue and irritability. Now, he could be
4 lying just like all the other 35 could be lying. He could be
5 stretching, he could be doing all kinds of things but he
6 reported to you and you reported it to us as a symptom, did
7 you not, sir?

8 A. Yes, we did.

9 Q. All right. And if true, if he was not lying to
10 you, if, in fact, he was having pains and fatigue and
11 irritability, then indeed he had a systemic effect which did
12 not go along with when chloracne did not go along with it.
13 Isn't that correct, sir?

14 A. Not necessarily, sir.

15 Q. If it is true it is, isn't it, Doctor Suskind?

16 A. Not in this case, sir.

17 Q. Doctor, you are saying that it is not true?

18 A. I am saying it is not true in this case.

19 Q. Doctor, what I am telling you is to assume that he
20 wasn't lying to you. Would you direct the witness to assume
21 that the man wasn't lying to him, Your Honor?

22 THE COURT: Doctor, do you understand the
23 assumption?

24 A. Yes, I do.

1 THE COURT: Please follow it.

2 Q. Assume that he wasn't lying to you. That indeed he
3 did have the aches and the pains, the fatigue and the
4 irritability; that he was exposed to the TCDD or the 2,4,5-T
5 and its contaminants but he didn't develop chloracne. Will
6 you assume that, sir?

7 A. I am assuming it.

8 Q. Are you assuming it? Then, indeed, one can get a
9 systemic effect without chloracne, can he not?

10 A. It is possible, if that is true.

11 Q. All right, thank you. Now, with relation to the
12 people involved in the accident itself, Mr. Shank was the
13 first one you examined, was he not, sir, and he was a
14 foreman, correct, sir?

15 A. Yes.

16 Q. And he told you that a year after the accident,
17 this would be 1950 as opposed to the accident in 1949, a year
18 after the accident after his acne occurred which, by the way,
19 occurred three months after the spill, so 15 months after the
20 exposure, this man developed severe aches in his lower legs
21 and thighs, excessive fatigue, loss of sexual interest,
22 irritability, mental depression, aching sensation in the
23 infraclavicular and presternal areas. Also developed cramps
24 in the calves and portions of the thighs that was so severe

1 that he had to soak his legs and he complained of cold
2 intolerance, correct, sir?

3 A. Correct.

4 Q. Now, these things occurred 15 months after the
5 exposure, is that correct, sir?

6 A. 15 months after the accident, sir.

7 Q. Yes. And he was in there 5 minutes after the
8 explosion, wasn't he, sir?

9 A. That is what it reads, sir.

10 Q. So, it occurred 15 months after his exposure,
11 didn't it, sir?

12 A. Yes.

13 Q. And, Doctor, you know the instance of Oliver where
14 the complaints in these three laboratory workers and some of
15 their problems occurred two years after the incident. You
16 know that as well, don't you, sir?

17 A. I don't know that it was two years.

18 Q. We will get to Oliver shortly but it was reported.
19 I would like for you to assume that it was reported that
20 these problems occurred some two years after the exposure?

21 A. The examination was made two years after.

22 Q. No, the symptoms occurred two years later, sir.
23 The problems occurred two years later in Oliver. Could you
24 assume that, sir? It is in evidence in this case.

1 A. I am assuming it.

2 Q. And this case would parallel the Oliver case,
3 wouldn't it, sir, insofar as the length of time it takes for
4 these systemic disorders --

5 A. I would say no, sir.

6 Q. You know here the systemic problems showed up 15
7 months later?

8 A. After the accident.

9 Q. Yes.

10 A. He could have been exposed after that, too, sir.

11 Q. Well, surely, Doctor. I am not quarreling with
12 that. He was exposed to the accident and these problems
13 showed up 15 months later?

14 A. But what I am saying, sir, he could have been
15 exposed afterwards as well.

16 Q. Well, surely he could. There is no question but
17 that he was exposed. If it is spread over a wide area in the
18 plant, there is no question that he was exposed later as
19 well.

20 A. He was exposed to the work, to the work effort
21 subsequent.

22 Q. On March 8, 1949, he was exposed to the residue of
23 the explosion and he was exposed from thereafter. He
24 continued in that building until 1952 as foreman. He was

1 exposed all that period of time, was he not, sir?

2 A. Yes.

3 Q. And he had his problems show up 15 months later
4 insofar as his aches and pains and irritability and so forth?

5 A. No, sir.

6 Q. Doctor, am I misreading it where it says that his
7 cutanea lesion occurred three months following the accident?

8 A. Uh-huh.

9 Q. Am I misreading that?

10 A. No, you are not.

11 Q. And a year after the cutaneous lesions occurred, he
12 developed these other problems?

13 A. But he was still being exposed in building 41.

14 Q. I understand that. Did you hear my question? My
15 question said 15 months after the exposure in the accident,
16 these things occurred.

17 A. I will agree with you.

18 Q. And, Doctor, in your -- in the present complaints,
19 he says that there had been a noticeable regression, that
20 means they have lessend, and he has occasional pains in
21 infraclavicular and presternal areas and excessive fatigue.
22 Correct, sir?

23 A. Correct.

24 Q. So he has pains and excessive fatigue?

1 A. Regressed, yes.

2 Q. He has what you described as excessive fatigue,
3 doesn't he, sir, and pains?

4 A. But that is qualified, sir.

5 Q. Doesn't he have at the time you examined him in
6 1953, occasional pains and he also has excessive fatigue?

7 A. Correct.

8 Q. So he is one of these few workers, isn't he, sir?

9 A. Uh-huh.

10 Q. And, Doctor, I am going to put two checks over him
11 so we will know that he is complaining of pain and excessive
12 fatigue, correct, sir?

13 A. Yes.

14 Q. And, Doctor, the next person examined there is
15 Frank Milan, correct, sir?

16 A. Milan.

17 Q. He was also in the building 15 minutes after the
18 accident to clean it up, wasn't he, sir?

19 A. Correct.

20 Q. Six months after. He got his chloracne three weeks
21 after the accident, six months later he developed aches in
22 the legs, shoulders, fatigue and inertia, doesn't he, sir? Do
23 you see that, sir?

24 A. Yes.

1 Q. And he was unable to work from June to December of
2 1950, isn't that correct, sir?

3 A. Correct.

4 Q. Now, that is a year and a half after the accident
5 he was in such fatigue and such pain that he was unable to
6 work for a period of six months, isn't that correct, sir?

7 A. Yes, but between the time the accident --

8 Q. Doctor, if you don't mind, could you just please
9 direct your attention to the question I am asking so that I
10 can get through with this. And, Doctor, he was -- when he
11 did return to work after being unable so to do, he was
12 actually transferred to another building, wasn't he, sir?

13 A. He was.

14 Q. And, Doctor, now these problems that he had got so
15 severe that it took a year and a half for them to get so
16 severe as to prevent him from working, doesn't it, sir, this
17 pain and this fatigue?

18 A. This is what the record reads, sir.

19 Q. Doctor, and you took this as fact, did you not,
20 sir?

21 A. We did, sir.

22 Q. And you could have certainly checked the record
23 whether or not he was able to work in that period of time,
24 whether or not he worked in that period of time, could you

1 not, sir?

2 A. We regard it as his history as the truth, sir.

3 Q. And you find at the present time he has got
4 complaints of fatigue?

5 A. Mild fatigue, sir.

6 Q. Correct, sir?

7 A. Correct.

8 Q. So, he has one check and that is the fatigue,
9 correct, sir?

10 A. As you stated it, sir.

11 Q. Ralph Westphall was the next person that you saw.
12 He was in the building three days after the accident. He got
13 his lesions starting in May of '49, correct, sir? Is that
14 correct, sir?

15 A. Yes.

16 Q. Now, he didn't get the other problems until three
17 years later in early 1952, isn't that correct, sir?

18 A. This is what he stated, sir.

19 Q. Excuse me, Doctor Suskind. But if everytime you
20 say that, if I have to ask you this is what you took as fact
21 and I am giving the question to you as if it is fact, fact
22 that you relied upon, the fact that I am relying upon, we
23 would save a lot of time if I didn't have to ask you that
24 additional question. This is what you took as fact, is it

1 not, sir?

2 A. Yes, sir.

3 Q. As far as you know, it is fact, isn't it, sir?

4 A. As he reported it, sir, yes.

5 Q. Is your answer yes, it was fact, sir, and you
6 treated it as fact?

7 A. We treated it as fact.

8 Q. And as far as you are concerned it was fact?

9 A. We treated it as fact, sir.

10 Q. And, Doctor, you understood Mr. Westphall is a
11 truthful person. You believed he is telling the truth, did
12 you not?

13 A. Yes, sir.

14 Q. Have you ever found anything to counterindicate his
15 ability, his willingness that he didn't tell the truth?

16 A. I would assume from this report that he was telling
17 us the truth.

18 Q. In 1953 he developed the aches and pains in the
19 back, the thighs, the lower legs, severe fatigue, loss of
20 libido, shortness of breath and pain in the right upper
21 quadrant, did he not, sir?

22 A. That is what the report reads.

23 Q. And this he got three years after the accident,
24 correct, sir?

1 A. Yes.

2 Q. The problems of the aches and the pain and the
3 fatigue got so severe that in June of '52 he left work and
4 has not returned since?

5 A. Correct.

6 Q. Doctor, that means as of 1953 when you saw him,
7 this man still wasn't working because his aches and pains and
8 fatigue and libido and all of this business was so severe
9 that he left his work and hadn't returned since, right, sir?

10 A. That is right, sir.

11 Q. And this is a man that you considered is telling
12 the truth?

13 A. Yes, sir.

14 Q. And, Doctor, at the time that you saw him or your
15 team saw him, he had severe fatigue throughout the day, loss
16 of initiative, shortness of breath on exertion, decrease in
17 libido, a dizziness upon waking and occasional pains in his
18 thighs and calves, correct, sir?

19 A. Yes.

20 Q. Now, this man then has central nervous system
21 problems or problems associated with what you said the
22 nervousness could be. He has got the aches and pains and he
23 has got the fatigue, hasn't he, sir?

24 A. He does.

1 Q. And so we will put him up there with three checks,
2 correct, sir? Would that be correct, sir, based upon what
3 you have reported in your 1953 report?

4 A. Not completely, sir.

5 Q. Well, shall I put four checks on because I am just
6 looking at the nervousness or the central nervous system
7 complaints or those associated with nervousness, the fatigue
8 and the pains.

9 A. Yes, sir.

10 Q. And we get three checks for that, would he not,
11 sir, for present complaints?

12 A. Not when I read the final sentence of that
13 examination, sir.

14 Q. The final sentence is that he seemed anxious to
15 convince you that he was very ill and that his illness was
16 similar to that of Mr. J. G. Steele?

17 A. Yes, sir.

18 Q. Do you think that there is something wrong that the
19 man that has these problems, that he can't prove that he is
20 having by any objective tests, the pain can't be proven by a
21 test, the fatigue can't be proven by a test. Is there
22 something wrong with this worker trying to convince you that
23 he has these problems that he does have in fact? Is there
24 something wrong with that, Doctor Suskind?

1 A. Well, I believe that, if I may answer that --

2 Q. Could you answer my question? Is there something
3 wrong with that, sir, if, in fact, it is the truth?

4 A. Well, if it is the truth.

5 Q. Yes?

6 A. And there is some doubt.

7 Q. Excuse me. If it is the truth, is there anything
8 wrong with him trying to convince you that it is the truth?

9 A. No.

10 Q. Because if you report to the company that this man
11 is not in this condition, if this man doesn't have these
12 problems, his unemployment or workmen's compensation benefits
13 would be terminated, wouldn't they, sir?

14 MR. HEINEMAN: Objection, Your Honor. May counsel
15 approach the bench?

16 THE COURT: Sure.

17 (Bench conference had out of the hearing of the
18 jury.)

19 MR. HEINEMAN: That question is irrelevant and
20 immaterial and there is no evidence to support it and I
21 object to it.

22 THE COURT: I think it is relevant and material.
23 Overruled.

24 (The following proceedings were had in the hearing

1 and presence of the jury).

2 Q. Could you answer my question, Doctor Suskind?

3 A. I don't know, sir.

4 Q. Have you never examined for and made reports
5 relating to workmen's compensation?

6 A. I have indeed. I do it all the time.

7 Q. And you do know that if the examining physician
8 chosen by the company says the man is able to work, that the
9 company has the right to cut off his benefits. You know that
10 also, don't you, sir?

11 A. Yes.

12 Q. And if you reported to the company that this man
13 was able to work, he could indeed have had his benefits cut
14 off, couldn't he, sir?

15 A. Not medical benefits but other benefits.

16 Q. Yes. Isn't that right, sir?

17 A. That is probably so.

18 Q. And, Doctor, is there anything wrong with a man who
19 has got extreme pain, that he can't prove that he has got,
20 extreme fatigue that he can't prove that he has got, is there
21 anything wrong with him trying to convince you that these
22 problems are real?

23 MR. HEINEMAN: I object, Your Honor. It has been
24 asked and answered.

1 THE COURT: I think it has been asked and answered.

2 Q. Now, Doctor, the next person is Jesse G. Steele,
3 correct, sir?

4 A. It is.

5 Q. You saw him -- the report that he had given you, he
6 was there in June of '49. He was one of the original four
7 that you saw. You repeated what you had found on your
8 original exam, the fatigue, the shortness of breath, the
9 soreness in the chest, insomnia, irritability, nervousness,
10 decreased libido, numbness in his hands, pain in the
11 shoulders, neck and extremities, correct, sir?

12 A. Correct.

13 Q. And these were the things that you found on your
14 first examination that he had reported to you on the first
15 examination, correct, sir?

16 A. Yes.

17 Q. Now, you saw him, he was one of the groups that you
18 saw again in 1950, correct, sir?

19 A. Correct.

20 Q. And you repeat again problems that he had reported
21 to you in 1950, that is the pains that had decreased but
22 still persisted, the loss of libido -- I am sorry. In 1950
23 you reported, according to this report, that the loss to
24 libido, the insomnia and the nervousness had regressed

1 completely?

2 A. Yes.

3 Q. And by that you mean had gone away completely,
4 correct, sir?

5 A. I would assume that that is true, yes, sir.

6 Q. And, he presently gave you as his complaints,
7 however, pains in the neck and shoulders, fatigue. He has
8 been away from work -- I am sorry. He was on light duty for
9 10 months before you saw him in 1953 but he had been away
10 from work since that time all together. So for 10 months, as
11 I take this, he worked on light duty and he returned to work
12 after the accident and then all together was off for the 10
13 months prior to the time you saw him and was off from work at
14 the time you saw him?

15 A. That is correct, sir.

16 Q. And his complaints at that time consisted of pains
17 in the neck and shoulders, fatigue, the nervousness, the
18 swelling in the groin and neck, the soreness in the right
19 upper quadrant and the occasional vertigo, correct, sir?

20 A. Correct.

21 Q. And you point out that these are identical with
22 that of Mr. Westphall, don't you, sir?

23 A. Yes, sir.

24 Q. But he also -- this is Steele, is it not? He would

1 get three complaints for the fatigue, the pains and the
2 nervousness, would he not, sir?

3 A. If you are separating complaints. You are
4 separating complaints, sir?

5 Q. Yes.

6 A. So long as I understand.

7 Q. That is what we have been doing thus far. So we
8 have four on our list so far and the next one is John Selby.
9 John Selby was in the accident building the day after it
10 occurred. He got his cutaneous eruptions, that is just
11 another word for saying the starting of chloracne, isn't it,
12 sir?

13 A. I believe so.

14 Q. He got his several weeks after the accident. In
15 May of '49 he had a high fever, Rocky Mountain Spotted
16 Fever. He was off work for 10 weeks with that. But then
17 three months after his first skin lesion he developed aches,
18 pains in the lower extremities and extreme fatigue, correct,
19 sir?

20 A. Yes, sir.

21 Q. He was off work. And a year later, he also had
22 frequent nightmares, his hands became numb. A year later he
23 developed severe fatigue, pains in the chest, low back pain,
24 loss of libido, cysts up near his, near his eyes?

1 A. Yes.

2 Q. Occasional --

3 A. The eyelids.

4 Q. Eyelids. Occasional depression and vertigo,

5 correct, sir?

6 A. That is what he had complaints of.

7 Q. He had been off work for 18 months or over 18

8 months and as matter of fact he returned to work the very day

9 you examined him, is that correct, sir?

10 A. That is correct.

11 Q. He complained presently at the time you saw him in

12 '53 of persistent fatigue, pains in the legs and chest,

13 occasional depression and recurrent vertigo. Again he would

14 get, he would be one of the persons that would get three

15 checks, correct, sir? Is that correct, sir?

16 A. If that is the way you are scoring.

17 Q. Well, for the aches and pains, the nervousness, the

18 fatigue is what I am primarily concerned with, Doctor.

19 A. Yes.

20 Q. And, Doctor, so that you won't, so you will

21 understand why I am doing it and concentrating on those

22 three, those are the three main complaints of the Sturgeon

23 Plaintiffs. Do you understand that, sir?

24 A. I don't because I don't know what the complaints

1 are, sir.

2 Q. All right, Doctor. The next person is Paul
3 Willard. He again was one of the ones that you originally
4 examined and we won't need to get into all of his
5 complaints. You saw him again in 1950 but since his
6 examination in 1950, he had remained out of the plant for
7 about half the time and when he does go back to work, he has
8 done light work and he has had no problem with chloracne
9 since 1950. Do you see that, sir?

10 A. He had no complaints about it, yes.

11 Q. He has had no trouble according to what you say
12 here, no trouble with his skin since 1950. Isn't that what
13 you say, sir?

14 A. One would have to look at the examination to
15 determine whether or not indeed that was true, sir.

16 Q. Well, if you look at the examination on Mr. Willard
17 on page 34?

18 A. Yes, I am, sir.

19 Q. All that is listed there is residual chloracne on
20 the face and acne scars on the face, neck and trunk?

21 A. But he still had some chloracne, sir.

22 Q. Well, if he had it, it was of a form that you
23 called residual and was no longer active, correct, sir?

24 A. No. It is simply that he had chloracne which was

1 still there. Residual means being still there. The scars
2 are, those are no longer active but residual would mean that
3 it is still there.

4 Q. I won't quarrel with that, Doctor, because it is
5 not of a concern with me because what you found upon your
6 present complaint was presternal aching, marked shortness of
7 breath on exertion, frequent, heart palpitations, flushing,
8 low back pain, posterior cervical pain, recurrent vertigo and
9 depression, correct, sir. Is that correct, sir?

10 A. Yes, I believe that is so. I am just reading it,
11 sir.

12 Q. I am sorry?

13 A. I was just reading it.

14 Q. And on page 34 where you summarize the clinical
15 complaints for Willard, you also had in there fatigue, do you
16 not, sir?

17 A. Yes.

18 Q. So, Mr. Willard would go on the list and with three
19 checks, would he not, sir? One for the pain, one for the
20 fatigue and one for the nervousness, is that correct, sir?

21 A. If that is the way you are scoring it.

22 Q. Doctor, the next one would be Lonnie Hurley who
23 worked in building 41 and got his first eruption in 19, in
24 May of '49, and a year after the chloracne showed up, he

1 developed pains of the calf muscles, he had painful twitching
2 of the muscles especially at night, he developed nervousness,
3 generalized weakness and fatigue, is that correct, sir?

4 A. That is correct, sir.

5 Q. He had been away from work a total of 14 months but
6 he had not lost any time since 1951. Is that also correct,
7 sir?

8 A. That is correct.

9 Q. His complaints at the time you saw him in 1953 was
10 mild fatigue which increases on exertion, nervousness and
11 occasional leg pain, is that correct, sir?

12 A. That is correct.

13 Q. So, Mr. Lonnie Hurley's name would go on here and
14 with three checks as well, would it not, sir?

15 A. If you are counting the mild effects, yes.

16 Q. Well, that is what I am counting, sir. And,
17 Doctor, the next one would be Barry Hudnall. Mr. Hudnall was
18 a man that worked with the pipes and steam traps with the
19 involved autoclave. He got his skin problems 10 days
20 following the accident and some few months later he developed
21 pains in the lower extremities and hips and fatigue on
22 exertion, and during the past few years, I would take that to
23 mean a couple of years before '53, he developed shortness of
24 breath, correct, sir?

1 A. That is what it says.

2 Q. I am sorry?

3 A. Yes, that is what it says, sir.

4 Q. And his present complaints were a multiplicity of

5 symptoms including pain in the lower extremities, fatigue and

6 loss of teeth which he claimed was due to chloracne but which

7 I take it you would not agree with, isn't that correct?

8 A. That is correct, sir.

9 Q. So, Mr. Hudnall would go on the list but just two

10 checks, one for the pains and one for the fatigue, is that

11 correct, sir?

12 A. Correct.

13 Q. Sir?

14 A. If that is the way you are scoring it.

15 Q. Doctor, the next person listed is Harold Young who

16 got his systemic problems other than the chloracne several

17 months after the chloracne appeared. He got pains in his

18 lower and upper extremities, shortness of breath, loss of the

19 sexual drive and severe conjunctivitis, is that correct, sir?

20 A. Yes. We reported that, sir.

21 Q. He was one of the ones that you saw in 1950 and

22 reported on, correct, sir?

23 A. Correct.

24 Q. And according to what he told you, there was a

1 steady regression. Now that means that symptoms get less, or
2 his condition improves, correct, sir?

3 A. His condition improved.

4 Q. And while he had lost a year because of his
5 symptoms, he hadn't lost any work since 1951 but he still
6 complains of continuous mild fatigue, slight irritability,
7 aching in his legs and feet, correct, sir?

8 A. Correct.

9 Q. So, he still has these problems and that would be
10 Harold Young and that would be three checks, would it not,
11 sir?

12 A. If that is the way you are scoring it, sir.

13 Q. And, Doctor, the next one is Mr. J. A. Hurley.
14 That is the man that had the nerve biopsy and the problems of
15 the loss of sensation and you report that he reports to you
16 at least that there was a continuance and steady regression
17 of all skin lesions and non cutaneous symptoms. His present
18 complaints were insomnia, pains in the hips, thighs and
19 feet. Involuntary twitching of muscles of arms and legs,
20 swelling of the eyelids, slight nervousness and fatigue,
21 correct, sir?

22 A. Correct.

23 Q. And he is the one, if you recall this morning, that
24 pointed out on the neurological examination that had the loss

1 of vibratory sensation from the iliac crest all the way
2 down. Do you understand that, sir?

3 A. Yes.

4 Q. And so he gets, Mr. J. A. Hurley would also get
5 three checks, would he not, sir?

6 A. If that is the way you are scoring it.

7 Q. Well, those are the problems he had, isn't it, sir?

8 A. Yes. But I am concerned with the degree.

9 Q. I understand that, Doctor. But --

10 A. And also --

11 Q. I am concerned with what you said in 1980 and
12 others times.

13 THE COURT: Is this a good point for a short
14 break?

15 MR. HEINEMAN: First may I object to the speech and
16 ask that it be stricken since it wasn't part of the question
17 and ask that the jury be instructed to disregard it.

18 THE COURT: The objection is overruled. What was
19 said is proper. Gentlemen, could I see you at the bench for
20 a minute.

21 (Bench conference had out of the hearing of the jury
22 and off the record.)

23 COURT RECESSED:

24 (The following proceedings were had in chambers out

1 of the hearing and presence of the jury.)

2 (The witness, Doctor Suskind, is brought into
3 chambers.)

4 THE COURT: Okay. Doctor, on the basis of what was
5 said earlier and the facts that Mr. Carr gave you that I did
6 not have before, I have reconsidered my decision and I am not
7 going to hold you in contempt for the remark that you made.

8 DOCTOR SUSKIND: Thank you, sir.

9 THE COURT: I want to emphasis again, I understand
10 the circumstances under which it happened and I was not aware
11 of that before. I had no reason to be and I wasn't. I want
12 to emphasize again as I explained to you earlier and I think
13 you agreed, you could see how the remark -- you can see
14 intellectually how the remark was not responsive to the
15 question that was asked and you know basically what I am
16 asking here and what any Judge asks of you is to respond to
17 what you are asked to. No more, no less. Just comply with
18 some basic rules such as that.

19 We have taken this break after about an hour. I
20 understand I am trying to make sure that you don't get too
21 fatigued as far as the testimony is concerned because I don't
22 want that to interfere with your ability to comply with the
23 requirements that any witness has to comply with in this
24 courtroom. I can juggle these afternoon breaks accordingly.

1 I would much rather have your compliance and we move along
2 with the testimony and any conflicts, be they intellectual
3 conflicts in front of the jury and not a conflict between you
4 and me and I will demand and will continue to demand that
5 these basic rules of decorum in the courtroom be followed.
6 You understand that, too.

7 DOCTOR SUSKIND: Yes. I will attempt to respect
8 them, sir.

9 THE COURT: Okay. Let's all take a break.

10 COURT RECESSED:

11 (The following proceedings were had in the hearing
12 and presence of the jury)

13 RAYMOND SUSKIND

14 having resumed the witness stand, being previously sworn,
15 testified further as follows:

16 CROSS EXAMINATION

17 By

18 MR. REX CARR.

19 Q. Doctor Suskind, the group that we have thus far on
20 the chart paper consists of the 10 workers who were clearly
21 associated with the accidental explosion, isn't that correct,
22 sir?

23 A. That is true, sir.

24 Q. And of those 10, nine of those 10 continued to

1 complain of aches and pains, all 10 continued to have
2 complaints of fatigue, isn't that correct, sir?

3 A. I haven't counted that but if your checks indicate
4 that.

5 Q. Milan is the one that no longer is complaining of
6 pain, the other nine are. And all 10 have complaints of
7 fatigue and there are 7 of the 10 that have complaints of
8 nervousness or other complaints associated with the nervous
9 system. And, Doctor, the next one that we have is Dewey
10 Meadows. He is the one that there is some question whether
11 or not he should be in the accident group or in the process
12 working group, is there not, sir? Do you see there where you
13 said in 1953 there was some question as to what group he was
14 going to be classified? Are you up to page 15, Doctor
15 Suskind?

16 A. I am on page 15 but I wanted to look at where we
17 had classified, attempted to classify him. I believe we
18 classified him finally in the group exposed to the making of
19 2,4,5-T. There was no --

20 Q. And not in the accident group?

21 A. Right.

22 Q. But in any event, it is not particularly important
23 to the purpose that I am driving at but he is the one that
24 you had some question about where in your first statement you

1 say there were 11 affected workers, this is on page number 2,
2 11 workers in the accident process and 25 from the other. Do
3 you recall that, sir?

4 A. Yes, sir.

5 Q. All right. And pass from that. Dewey Medows now,
6 his problems started with his chloracne three years prior to
7 the time you saw him. That would be in 1952 -- I am sorry,
8 1951. No. I can't subtract. 1950 is when his problems
9 started. Would that be correct, sir, according to what he
10 told you?

11 A. If that was three years before, sir, yes.

12 Q. And a year and a half before he developed pains in
13 the thighs and knees. The pain become worse when he tried to
14 carry heavy objects. The eruption, that is the chloracne, and
15 the pain both have regressed considerably during the past
16 year. His present complaints consist of excessive fatigue, a
17 loss of vigor and -- loss of vigor?

18 A. And moderately active skin eruptions.

19 Q. And the loss of vigor, could that be called part of
20 a nervous system disorder? It is a feeling rather than --

21 A. I would assume he was talking about becoming tired.

22 Q. Well, that would be excessive fatigue, wouldn't it,
23 sir? Loss of vigor you could categorize that?

24 A. That must be how he described it, Mr. Carr.

1 Q. Well, if you look to page 35 to categorize it, you
2 call it on page 35 in the present complaints, you call it
3 loss of ambition and fatigue. Do you see that?

4 A. Under Dewey Meadows?

5 Q. Under Dewey Meadows on page 35. Present
6 complaints.

7 A. Yes.

8 Q. So we could put two checks, he has aching in the
9 legs and thighs for 18 months so he still has the aching
10 although it has regressed. He has a loss of ambition and
11 fatigue. Would that be three checks, Doctor?

12 A. If that is the way you are scoring, yes.

13 Q. Would you agree that those are his present
14 complaints?

15 A. Those were his present complaints.

16 Q. And, Doctor, the next person would be Mr. Stover,
17 Donald Stover, who is working in building 34 and he had his
18 chloracne that started -- mine is very dim. He claims that
19 he had been working in building number 34 for five months but
20 he started working in 1951 and he started developing his
21 chloracne then four weeks after he started in that building.
22 Six weeks later he developed pains in the legs, nervousness,
23 shortness of breath and exertion, easy fatiguability and a
24 constant feeling of being tired and a decreased libido. He

1 had been off work or assigned to light duty since October of
2 '51, is that correct, sir?

3 A. That is correct, sir.

4 Q. And as far as his present complaints are concerned,
5 there has been a noticeable decrease in fatigue and he has
6 not complained of pain in his legs for some three weeks, is
7 that correct, sir?

8 A. That is correct.

9 Q. And as far as Mr. Stover is concerned, if you will
10 turn to page 35, he is listed as fatigue, inertia, mild
11 shortness, inertia regressing and mild shortness of breath.
12 So he would have two complaints, one for the fatigue and one
13 for the so-called inertia, would that be correct, sir?

14 A. No. If I understand your scoring, it would
15 probably be only one because the inertia and the fatigue
16 might be one and the same thing.

17 Q. That is all right, Doctor. We can put one down for
18 Mr. Stover. The next person would be Charles Arthur and he
19 developed pain in his thighs, this would be after he got his
20 chloracne, and the pain became most apparent on exertion. It
21 has persisted to the present time but it is mild and
22 transitory. He has decreased libido and he has become
23 nervous, is that correct, sir?

24 A. The decreased libido is in the past, sir.

1 Q. He also complained of decreased libido and you
2 would put that in the past?

3 A. I think so.

4 Q. On the summary sheet on 36, he complained -- he had
5 aches and pains, nervousness and fatigue so he would have
6 three checks, would he not, sir? Would that be correct, sir?

7 A. If that is the way you are scoring it, sir, yes.

8 Q. Does he have those complaints?

9 A. Those complaints are listed in the report.

10 Q. The next person is Workman. Now, Workman never had
11 any problems other than chloracne, did he, sir?

12 A. I believe that is so.

13 Q. So, he will not be on the board and he can't be one
14 of the -- well, he just simply has never had any problem. He
15 never had any systemic poisoning. No symptom other than the
16 skin symptoms, is that correct, sir?

17 A. He never complained about systemic symptoms, sir.

18 Q. Is that a yes to my question, Doctor, that the only
19 problems that he had so far as you are aware is the skin
20 problem?

21 A. Correct, sir.

22 Q. All right. And Mr. Young would be the next one and
23 this would be H. O. Young as distinguished from Harold
24 Young. He was working in the buildings that had the accident

1 synthesis. He also was in building 41 but he was not working
2 on involved equipment. He could easily be in either category
3 as well, could he not, since the material was scattered all
4 over the inside of building 41, being in that building three
5 weeks following the accident working in it and also working
6 on the agitator of the involved autoclave and the machine
7 shop. He could well be included in the accident people,
8 isn't that correct, sir?

9 A. In our judgment he would be put into the group that
10 was involved in 2,4,5-T synthesis rather than the accident.

11 Q. But he was in the building three weeks following
12 the accident and he did work on the accident equipment,
13 didn't he, sir, not that it makes a bit of difference with
14 anything?

15 A. Several months later, sir.

16 Q. And Mr. Young presently -- well he developed his
17 pains in the left hip which became worse and he says that he
18 has pains in the left chest occasionally and shortness of
19 breath for the past two to three years. So his only
20 complaints that he had at the present time would be pain, is
21 that correct, sir? And I am not putting a check down for the
22 shortness of breath on the scoring that we are doing on this
23 chart. Mr. H. O. Young would just get one check for the
24 pain, would that be correct, sir?

1 A. If that is the way you are scoring it, sir.

2 Q. Is that correct, sir?

3 A. That is correct.

4 Q. And, Doctor, the next person is Mr. Smith. He had
5 the chloracne. He developed pains in the calves which
6 started when he was in 51 and he presently has the pain in
7 the calves, is that correct, sir, pains or aches in the
8 calves?

9 A. Yes, that is correct.

10 Q. So, he would get one check, would he not, sir? Is
11 that correct, sir?

12 A. Correct.

13 Q. The next person is a Mr. Beckman and Mr. Beckman
14 was a foreman and he developed his problems about a year
15 after the operation was initiated and it was chloracne that
16 he first noticed, is that correct, sir?

17 A. Yes, sir.

18 Q. In May of '51 he developed pains in his legs and
19 hips and became more intense while sitting down or lying
20 down. He became -- in the past two years he has tired very
21 easily, little ambition. He has become irritable and a
22 noticeable decrease in sexual activity. He also states that
23 his pains in the legs and hips have decreased considerably
24 but they do recur with severity at times, does he not, sir?

1 A. Yes.

2 Q. And he has listed then for present complaints is
3 aches in the legs, irritable, decreased sexual activity. He
4 would get two checks, would he not, sir, under the way that
5 we are scoring?

6 A. If that is the way you are scoring it, yes.

7 Q. The next person, Doctor Suskind, would be Willard
8 Forbes. His problems started in October of '51 with the
9 chloracne. It was a year later that he developed pain in the
10 back and lower extremities, more severe in the lying
11 position. They have persisted and they are relieved by
12 aspirin, is that correct, sir?

13 A. He indicated that in addition to the mild skin
14 eruptions, there were occasional pains in the back and lower
15 extremities. Is it Mr. Willard Forbes you are talking about
16 on page 21?

17 Q. All right. What he said, though, these pains are
18 severe and he is lying down. They have persisted and they
19 are relieved by aspirin. Doesn't he say that, sir?

20 A. Yes. But -- yes, sir. But he noticed also that at
21 the present he only had occasional pains in the back and the
22 lower extremities.

23 Q. Which become apparently more severe when he lies
24 down, is that correct, sir. The historian, the person

1 writing it down, uses the words they have persisted and that
2 they are relieved by salicylates?

3 A. That is what it reads.

4 Q. The next one is Charles Farley. He got his pains
5 in the lower extremities, shoulders and chest shortly after
6 he got the lesions. He had been in the building six months
7 after the accident but he had also worked hauling the 2,4,5-T
8 over to the building 79 for drying, is that correct, sir?

9 A. Yes. That is the story he gave.

10 Q. His present complaints consist of, other than the
11 folliculitis, shooting pains in the calves, shoulders,
12 calves, arms, part of the neck and nervousness and continuous
13 fatigue. Is that correct?

14 A. That is correct. That is how it reads.

15 Q. Mr. Farley then would get three checks, would he
16 not, sir? Did you hear my question, Doctor?

17 A. Are you asking for an answer?

18 Q. Yes, I am. You would get three checks, would he
19 not, sir?

20 A. If that is the way you are scoring it, yes, sir.

21 Q. And, Doctor, the next one is Mr. Galloway who was
22 one of the few persons who had no problems but chloracne,
23 isn't that correct, sir?

24 A. That is correct, sir.

1 Q. And he would not go on the board, would he, sir?

2 A. Not the way --

3 Q. And that is two people of those that we have talked

4 about so far that have got no problems as of 1953, is that

5 correct, sir?

6 A. What kind of problems, sir?

7 Q. These problems that we are talking about, the pain,

8 the fatigue and the central nervous problems, irritability?

9 A. The way you are judging them, yes, that is correct.

10 Q. The next one is Basil Hoffmann who didn't even

11 start seeing the acne until January of '53, just a few months

12 before you saw him and he had occasional mild pain in the

13 left leg, is that correct, sir?

14 A. After the appearance of his acne, yes.

15 Q. And that is the present complaint, is it not, sir?

16 Is it not, sir?

17 A. It reads occasional, slight occasional pain in the

18 left leg.

19 Q. That is a present complaint is my question?

20 A. That is a present complaint.

21 Q. The next one would be Mr. Null who has no complaint

22 referable to the exposure. He did have some TCP exposure one

23 week prior to the examination and based upon the past history

24 of how long it takes for these problems to show up and you

1 wouldn't expect to see any problems related to this man in
2 just the week you saw him, would you, sir?

3 A. I can't answer that, sir, because I don't know the
4 degree of his exposure except that it was chemical burn.

5 Q. Well, my question is, is that based upon the length
6 of time that it took these other persons to have their
7 systemic problems show up, you would not expect the systemic
8 problems to show up in this man within a week, would you,
9 sir?

10 A. Probably not.

11 Q. Not when it takes it a year or six months or 8
12 months for these others that have the systemic problems,
13 isn't that correct?

14 A. You asked another question, though, sir. You asked
15 me would I anticipate, did you not, sir?

16 Q. I think I did, yes.

17 A. Well, I can't answer that. That is what I am
18 saying.

19 Q. Well, based upon your observation of these others
20 that we have looked at, these 36, and the length of time that
21 it has taken in nearly -- invariably for these other problems
22 to show up, you would not expect, Doctor Suskind, as his
23 treating and examining physician, for these kind of problems
24 to show up in Mr. Null within a week, would you, sir?

1 A. That is probably correct.

2 Q. Mr. McClanahan is the next one. He is related to
3 the Ivel -- well, I don't know if he is related at all. He
4 has the same last name as Ivel McClanahan. You probably know
5 whether he is or is not related but I don't. In any event,
6 he developed his problems of chloracne in 1950 but it wasn't
7 until a year later that he developed the aches and pains and
8 so forth, isn't that correct, sir?

9 A. That is what the record shows, sir.

10 Q. And they are most noticeable in him when he is
11 relaxed such as sitting and lying down. He has also noticed
12 a decrease in his sexual interest for several months anorexia
13 and continuous fatigue. In the case of this man, he got
14 transferred to another building and his appetite and sexual
15 interests have been normal. His pains and fatigue have
16 subsided completely and I take it there you are using the
17 word subsided to mean gone, do you not?

18 A. When I say completely, I mean gone, yes.

19 Q. And, Mr. McClanahan would not get on the board as
20 having any present complaint referable to fatigue, aches and
21 pains or central nervous system disorder, would he, sir?

22 A. No, not according to your scoring system.

23 Q. And, so far, Mr. McClanahan is the only one of
24 those who had been exposed who had aches, pains, fatigue and

1 these other problems and in whom the problems have completely
2 gone, isn't that correct, sir?

3 A. Well, I would have to look at the records to verify
4 that, sir.

5 Q. Well, to help you verify it, we have got Galloway
6 who didn't have any problems to start with and Workman and
7 Null. All these men did not have problems to start with and
8 since they didn't have any problems other than chloracne to
9 start with, they can't very well go away, and McClanahan is
10 the only one, if you want to look at the board or to your own
11 records, the only single one person who has had problems and
12 who now no longer has them other than the chloracne, isn't
13 that correct, sir?

14 A. I haven't been keeping score so I can't verify
15 that.

16 Q. Doctor, if you could look to the board there and
17 look at your charts -- well, we will verify it when we get
18 through, Doctor, if you have any problem about it. Your next
19 person is Mr. Simmons and he developed his pain in 1951,
20 pains in his shoulders, arm and right hip, is that correct,
21 sir?

22 A. As the record reads that way, sir, yes.

23 Q. And his pain according to your report seems to have
24 subsided with injections of Vitamin B 12, is that right, sir?

1 A. That is how he reported it, sir.

2 Q. And he had, however, severe and recurrent ear aches
3 and severe chronic sinusitis, is that correct, sir?

4 A. He did but they were separate, I believe, Mr.

5 Carr. One occurred in '52 and the sinusitis occurred before
6 they made --

7 Q. Before?

8 A. Before they made 2,4,5-T.

9 Q. The only thing that occurred after the accident was
10 the severe recurrent ear aches and Mr. Simmons should not go
11 on the board either, should he, sir?

12 A. No, not according to your way of scoring, sir.

13 Q. Now, we have the second person. Mr. Simmons is a
14 person that did have complaints of pain to start with but
15 because he got these injections of the B 12, his pains have
16 gone away?

17 A. I don't know whether it is because he got the
18 injections, sir. He had the injections and he indicated they
19 went away.

20 Q. Well, Doctor, you are the one that said at that
21 time quote his pain seems to have responded to injections of
22 B 12, end of quote?

23 A. I noticed. Seemed to have repsonded.

24 Q. My question is, isn't that what you said?

1 A. Yes, it is. Absolutely.

2 Q. Doctor, the next one is Ervin Bailey and Mr. Bailey
3 had shortly after his skin problems which occurred in '52, he
4 started having pains in the calves and knees as well as the
5 back and the pains now occur most frequently in the early
6 morning at the end of the shift. He presently complains in
7 addition to the pains and his acne, he complains of
8 nervousness, urinary frequency and fatigue on exertion, is
9 that correct?

10 A. That is how the record reads, sir.

11 Q. So he, too, would get three checks, would he not,
12 sir?

13 A. Yes, according to your scoring.

14 Q. And, Doctor, the next person is Earl Harris who
15 developed aches in both knees within the past year. While he
16 had chloracne in October of '49, he didn't report it until
17 1950 and the symptoms are improved at the present time but he
18 would have a check for present complaints of aches in the
19 legs, would he not, sir?

20 A. Yes, according to your method of scoring.

21 Q. Doctor, Mr. Ferrell had no complaints initially
22 other than his chloracne and recently he's noticed an
23 increase in nervousness in the last year, has he not, sir?

24 A. That is how it is recorded. There is also a record

1 of other symptoms, sir.

2 Q. Well, the other symptoms don't fall -- the peptic
3 ulcer you are talking about, are you not, sir?

4 A. The peptic ulcer and the Banthine can be related to
5 the nervousness.

6 Q. Yes, I am sure they can and that was a question
7 that I was going to ask you is that the one thing that you
8 found in your '79 study reported in '84 was an association of
9 ulcer and exposure, did you not, sir?

10 A. There was a suggestion of relationship between the
11 history of peptic ulcer and exposure.

12 Q. And the nervousness can be connected with that, can
13 it not, sir?

14 A. It could.

15 Q. And you recall again the 16 claimants in the
16 workmen's compensation matter all said they were fearful and
17 apprehensive which again could cause the peptic ulcers, could
18 they not, sir?

19 A. It might have some association, sir.

20 Q. And you found a statistically significant
21 association, did you not, sir, in 1984?

22 A. By history.

23 Q. Is that an answer to my question, sir?

24 A. I think it is, sir.

1 Q. Is it a yes or is it a no?

2 A. There is a suggestion of association, yes, sir.

3 Q. All right. Thank you, sir. The next person was
4 Mr. Roy Wright who noticed his chloracne starting in 1951.
5 18 months later he developed pains in the hips, lower
6 extremities and back with pain in the hip becoming so severe
7 that he thought of laying off work. Do you see that, sir?

8 A. I do, sir.

9 Q. Doctor, again this person with these systemic
10 problems with pain developing so long after the chloracne
11 developed and so long after the initial exposure, doesn't it
12 remind you of the occurrence with the scientists as reported
13 by Oliver, the three scientists and in many instances the
14 same thing in the Spolana, Czechoslovakia, occurrences?

15 A. May I comment on that, sir?

16 Q. My question is, doesn't it remind you of those
17 things, Doctor?

18 A. They might be similar but they are not identical
19 whatsoever.

20 Q. Well, Doctor, I know they are not identical
21 whatsoever. They are different plants, they are different
22 combination of chemicals. These scientists were working with
23 pure TCDD. The people at Nitro were not and neither were the
24 people at Spolana, Czechoslovakia. My question was, sir,

1 don't the onset of the delayed onset of these problems, do
2 they not appear similar to you? Do they not remind you of
3 one another?

4 A. I would have to know what problems you are
5 referring to, Mr. Carr.

6 Q. There that we are just talking about here, sir. 18
7 months after the chloracne he developed the pains in the hips
8 and lower extremities and the others that we talked about
9 earlier where a year or nine months or six months they
10 noticed their impotence or their loss of libido or their
11 aches and pains. Doesn't that remind you, sir, of the things
12 that occurred in Spolana and these three --

13 A. No, sir. Not at all.

14 Q. Doctor, the next one would be Mr. William Saxton.
15 I think I neglected to do my job here. I did not put
16 Wright. And Mr. Wright would have one check for the pain,
17 would he not, sir?

18 A. Yes, according to your method of scoring.

19 Q. Doctor, the next one then is Mr. Saxton. He
20 developed his pain one year after he developed the chloracne,
21 did he not, sir?

22 A. According to the record, yes.

23 Q. And then after that, that is during the past three
24 years, he tires easily and he has become nervous as well,

1 isn't that correct, sir?

2 A. That is what the record reads, sir.

3 Q. So Mr. Saxton would get three checks for the pain,
4 the nervousness and the fatigue, would he not, sir? Is that
5 correct, sir?

6 A. According to your method of scoring, yes.

7 Q. The next one is Donald Payne who had no problems
8 other than his chloracne except that his children developed
9 the comedone blackheads and papules when he went home, isn't
10 that correct, sir?

11 A. That is what the record reads.

12 Q. The next person is Byron Jones. Mr. Jones
13 developed chloracne in 1951 but in the past six months, that
14 is some two years after the chloracne was started, he
15 developed aches in the thighs and the calf muscles during the
16 time that he has heavy lifting, is that correct, sir?

17 A. Yes, that is the way the record reads, sir.

18 Q. And he would get one check, would he not, sir?

19 A. Yes, according to your method of scoring.

20 Q. The next person is Harold McClanahan and Mr.
21 McClanahan has had no problems other than the chloracne,
22 isn't that correct, sir?

23 A. He never complained of any systemic symptoms, sir.

24 Q. Is the answer to my question that he had no

1 problems other than the chloracne, is that --

2 A. That is true, sir.

3 Q. The next one is Mr. Harold Newcomer and Mr.

4 Newcomer developed problems in December of 1952 but since

5 January -- where he got his chloracne -- but since January of

6 '53 he developed aches in the lower part of the back, thighs

7 and calves which seemed to appear when he is relaxed or

8 sitting down or lying down. They are not continuous. They

9 occur about once or twice weekly and they are not relieved by
10 aspirin. Is that correct, sir?

11 A. That is the way it reads.

12 Q. So Mr. Newcomer would get one check?

13 A. I don't believe he would get any checks because if
14 you look at my page 39, you will see that all we list is the
15 acne of the face since December of '52.

16 Q. Well, that is all you list on that chart but you
17 list in the history that, in fact, he has pain that are not
18 continuous and occur about once or twice weekly and they are
19 not relieved by aspirin, isn't that correct, sir?

20 A. There is what it reads.

21 Q. Yes.

22 A. In the physician's judgment, however, and I think
23 that is important, sir. One would have to consider the
24 significance of those occasional pains.

1 Q. Doctor, I am not asking at this time to consider
2 the significance. All I am asking you for is an affirmation
3 that he had those pains in 1953 at the time he was examined
4 by your group.

5 A. Once or twice weekly.

6 Q. Yes. Thank you, sir. The next person would be Mr.
7 James Kyle and there is the man that did not have the
8 chloracne, isn't that correct, sir?

9 A. He did not have an eruption typical of chloracne,
10 correct.

11 Q. And he did have, however, aches and does have
12 aching in the back and knees that is continuous. He is
13 fatigued and irritable, is that correct, sir?

14 A. Those were his complaints and I would not score him
15 for those complaints.

16 Q. Doctor --

17 A. Because we doubted that this was so.

18 Q. You may doubt all you want but he complained of the
19 aches and pains, did he not, sir?

20 A. I think it is --

21 Q. All of these complaints may be fictions of their
22 imagination or may be anything you want to call them, sir,
23 but my question to you is is Mr. Kyle in the examination that
24 you made of him had complaints of aches and pains, fatigue

1 and nervousness, did he not, sir?

2 A. Yes, sir.

3 Q. And based upon --

4 A. I wouldn't agree with your scoring, sir.

5 Q. Well, let me ask you again. If we are giving
6 checks for complaints, sir, would Mr. Kyle get checks because
7 he has these complaints?

8 A. If they are valid complaints, yes.

9 Q. I am not even asking you about the validity. I
10 accept the fact that you consider that all of these others
11 preceding him are valid and that his are not valid. But my
12 question is, sir, we give checks to the people that have
13 complaints and that is the sole criteria for putting the
14 checks on. Whether or not he was a worker that complained of
15 aches and pains in '53, is he entitled to be on this list or
16 not, sir?

17 A. No, sir.

18 Q. Did he complain of aches and pains in 1953?

19 A. In the judgment of the physician they were not
20 accurate.

21 Q. Doctor, he was complaining, was he not, sir? Did
22 he not make complaints?

23 A. He did.

24 Q. Yes. And if we are putting on this board the

1 people who made complaints, then he should be on the board,
2 shouldn't he, sir?

3 A. According to your scoring, too, but according to my
4 judgment he shouldn't.

5 Q. That is perfectly all right, Doctor. My question
6 is, did he have complaints of pain and if we are putting
7 people on this list that have complaints, he goes on the
8 list, does he not, sir?

9 A. Not if in the judgment of the physician they are
10 not valid.

11 Q. Do you understand? I am not asking you whether
12 they were valid or not. Assume they are invalid and that we
13 are putting on this board people who have invalid complaints
14 of pain. Does he get on this list?

15 A. If it is invalid and you are willing to put that on
16 the board, yes.

17 Q. Yes. Because, Doctor, the thing that this list is
18 to demonstrate, sir, is not whether or not these people
19 really have these because I don't know it and you are the one
20 that says they all had them except Kyle and that is not the
21 purpose of this board. The purpose of this board is to
22 demonstrate the untruthfulness of the statement that you
23 made, that is, in a few cases workers continued to complain.
24 That is all this is about, Doctor Suskind, and he was a

1 worker, wasn't he, sir?

2 A. Yes.

3 MR. HEINEMAN: I object to the speech, Your Honor.

4 THE COURT: Gentlemen, could you approach the
5 bench, please.

6 (Bench conference had out of the hearing of the
7 jury.)

8 THE COURT: That is the second time you stated that
9 objection before the jury. That is a speaking objection and
10 I don't want you to do it anymore in front of the jury.

11 MR. HEINEMAN: I can't conceive that that would be
12 a speaking objection insofar of the fact I object to the
13 speech and I move that it be stricken and ask the jury be
14 instructed.

15 THE COURT: I do consider it a speaking objection.
16 You are now on notice. Now, make your objection.

17 MR. HEINEMAN: I object to the speech. It had
18 nothing to do with the question. It was nothing but a
19 speech directed to the jury. I object to it and ask that it
20 be stricken and ask that the jury be instructed to disregard
21 it.

22 MR. CARR: I don't think I need to respond, Your
23 Honor. I was responding to what he said.

24 THE COURT: Objection is overruled. We will take

1 about a five minute break and then we will go back to it.

2 (The following proceedings were had in the hearing
3 and presence of the jury).

4 THE COURT: Ladies and gentlemen, we will take
5 about a five minute break and then we will go back to
6 questioning. The admonishments that I gave you earlier will
7 apply during this break also. Court is in recess.

8 COURT RECESSED:

9 (The following proceedings were had in the hearing
10 and presence of the jury)

11 RAYMOND SUSKIND

12 having resumed the witness stand, being previously sworn,
13 testified further as follows:

14 CROSS EXAMINATION

15 By

16 MR. REX CARR.

17 Q. Doctor Suskind, with relation to Mr. Kyle who we
18 were discussing at the break, he has these complaints, has he
19 not, sir?

20 A. He provided us with complaints, yes, sir.

21 Q. And, Doctor, in your analysis of him, in the
22 summary box that you used on page 39, you put in the abnormal
23 clinical finding problem complainer, question mark, did you
24 not, sir?

1 A. Yes.

2 Q. That meant that you thought, you questioned then
3 whether or not his complaints were based upon real
4 occurrences or whether they were exaggerated in part or real
5 in part, did you not, sir?

6 A. I think we were concerned that they were not real,
7 sir.

8 Q. Doctor, you found that he did have a mild
9 folliculitis, didn't you, sir?

10 A. Yes, sir.

11 Q. And the only man that you found -- and he had the
12 same exposure, he had the same work as Saxton and Bailey, did
13 he not, sir?

14 A. Yes, sir, according to the record.

15 Q. And he had the same hygienic program as Saxton and
16 Bailey, did he not, sir?

17 A. I assume that is so because we mentioned that.

18 Q. And he wasn't off work, was he, sir? He was working
19 full time?

20 A. Yes, sir.

21 Q. He was not using the complaints for any purpose
22 that you are aware of, was he, sir?

23 A. We didn't know.

24 Q. Sir?

1 A. I said I didn't know at the time whether he was or
2 not.

3 Q. Well, was he looking to get off work?

4 A. We didn't make any mention of it.

5 Q. Did he put in any claim for workmen's compensation?

6 A. I have no idea, sir. I wasn't concerned with
7 workmen's comp.

8 Q. Now, Doctor Suskind, you have put on this board as
9 legitimate and truthful the complaints of all of these people
10 with the exception of Kyle, have you not, sir?

11 A. Sir, you have put it on the board.

12 Q. Doctor, didn't I get your agreement for each one?

13 A. According to your method of scoring, yes.

14 Q. Didn't all of these people have these complaints as
15 the checkmarks indicate, sir?

16 A. Yes, sir.

17 Q. All right. And, Doctor, all of those had some --
18 the only difference, sir, between Kyle and all of these
19 others, his complaints are the same, his complaints start in
20 a similar fashion after exposure, same thing as Saxton and
21 Bailey. The only difference, Doctor Suskind, is that he
22 doesn't have chloracne, isn't that correct, sir?

23 A. I am not sure that the mild folliculitis might not
24 be chloracne but we didn't diagnose it as such, sir.

1 Q. Could you answer my question? The only difference
2 between Kyle and all these others is that he did not have
3 chloracne, isn't that correct, sir?

4 A. No, sir.

5 Q. What other difference is there?

6 A. The other difference is in the veracity of his
7 complaints.

8 Q. What led you to believe that he wasn't telling the
9 truth?

10 A. The observation by the interviewer and by the
11 doctor which is in the record, sir.

12 Q. My question is what led you to believe that he
13 wasn't telling the truth?

14 A. He appeared to be attempting to convince us of all
15 of these things excessively, I assume.

16 Q. Doctor, there is two others at least on here that
17 you said tried to convince you of that, of their condition?

18 A. These are also people, Mr. Carr, that had
19 previously, we had previous knowledge of their complaints
20 which were real.

21 Q. Doctor, their complaints were real. The aches and
22 pains were real. You had to accept their word for it in each
23 instance. The fatigue complaints, the aches, the pains, the
24 nervousness. In each of these indications they are all

1 things that the people tell you. You have no way of
2 verifying those complaints, do you, sir?

3 A. Yes, we do, because in some instances, especially
4 those who were exposed to the runaway reaction, they were off
5 work because of these disabilities.

6 Q. Well, Doctor, they were off work because they said
7 they hurt so much they couldn't work, isn't that correct,
8 sir?

9 A. And it appeared to be real by observers.

10 Q. What observers, Doctor?

11 A. Their peers.

12 Q. What peers?

13 A. The management.

14 Q. Doctor, do you mean to say you went out and
15 investigated the validity of these people's complaints?

16 A. I am not talking about that. I am only talking
17 about the medical records of the company, for example.

18 Q. The medical -- What they showed you was this man
19 said that he was so tired that he couldn't work, isn't that
20 correct, sir?

21 A. Or that he could not work because he was aching and
22 that was real.

23 Q. He hurt so much?

24 A. Yes, sir.

1 Q. Now, he said these things and there was no evidence
2 other than his statement that these things were true, isn't
3 that correct, sir?

4 A. But in the way he --

5 Q. Excuse me, sir. Isn't that correct, sir?

6 A. That is so, but --

7 Q. Doctor Suskind, do you have children?

8 A. Yes, I do.

9 Q. Do you have -- how many children do you have?

10 A. I have two sons.

11 Q. In my children -- I have some children and I -- I
12 have some children that can be telling the absolute truth to
13 me and they act as if they are telling me a tale. I have
14 other children, I didn't know this until years later, that
15 can be lying like a thief to me and I think they are telling
16 the truth. Have you had a common experience like that,
17 perhaps not with your children, but perhaps with other
18 people?

19 A. I have had experience with patients, Mr. Carr.

20 Q. That you would think would be telling you the truth
21 and you accepted as telling the truth but it turned out they
22 were lying, isn't that right, sir?

23 A. They were telling me about certain experiences that
24 they had that couldn't be verified.

1 Q. That doesn't mean they are not telling the truth
2 simply because there is all kinds of things, Doctor, that
3 happen to us individually that can't be verified unless we
4 are accepted as telling the truth. And my question to you,
5 sir, is do you not agree that some people have the appearance
6 of not telling the truth when, in fact, they are and others,
7 we call them con men, have the ability to lie like mad men
8 and make you believe it is the gospel truth? You know that
9 to be the case, don't you, sir?

10 A. If I may use your phrase, sir, yes, I think it is
11 true. We have thought that Mr. Kyle was conning us.

12 Q. And you thought that or at least you made some
13 comment that these other people wanted to convince you in
14 order to stay off work. Now, Westphall and Steele, I think
15 it were, were two people that were off work. They had reason
16 to convince you that they were ill and couldn't work and they
17 had a motive, too. Mr. Kyle -- and you accepted their
18 statement. Mr. Kyle has no motive. He is simply and he is
19 even telling you that his aches and pains get improved with B
20 12 injections. Now, why would somebody go get B 12
21 injections, sir? I hate needles and I will tell you it would
22 take a lot of aches and pains for me to go get a shot of any
23 kind. Why would somebody tell you that his aches and pains
24 improve with these B 12 injections if he wants to convince

1 you of some kind of lie?

2 A. I am not all together sure, sir, because it is so
3 long ago but all I can do is take the record at its face
4 value.

5 Q. Doctor, take the record at its face value. The man
6 told you B 12 injections seemed to help him and he did tell
7 you that, didn't he, sir?

8 A. That is what he said.

9 Q. Now, Doctor, the only reason -- Now, that is a
10 reason to believe that he is telling the truth about aches
11 and pains, isn't it, sir?

12 A. Not necessarily.

13 Q. Oh, Doctor, if somebody wanted to convince you they
14 got aches and pains, they will tell you I took these B 12
15 shots and they didn't help me at all. This man said I took
16 these shots and they helped me. Now, if he is trying to
17 convince you that he has a terrible condition that he should
18 get compensation for or whatever the reason, he is not going
19 to tell you that the B 12 injections helped him, is he, sir?
20 He is not going to show up for work everyday, is he, sir? He
21 is going to lay off from work. I hurt so much I can't go to
22 work. This man took his B 12 injections and they improved
23 him and he didn't miss a single day from work.

24 Now, Doctor, isn't it a fact that from the

1 appearances that you have at that time, from the fact that he
2 took the shots, the fact that he worked, the fact that he had
3 the same exposure of the others, that he had aches and pains
4 the same as the others, wouldn't that support you in coming
5 to the conclusion that this man was telling the truth but may
6 have unfortunately fallen into the category like one of my
7 boys -- and he is the boy, by the way, that is the missionary
8 today, I might say -- that false in the category -- that is
9 vice versa. He was the con man. It is Eric. I am getting
10 my kids confused, but in any event, the missionary was the
11 one that conned me and Eric is the one that I never would
12 believe, but anyway, I am digressing, Doctor.

13 Isn't it a fact that Kyle may be in that situation?

14 A. We didn't think so, sir.

15 Q. I know you didn't think so, sir, but what I am
16 asking you now on reflection and considering the things that
17 I have pointed out to you, isn't that a possibility, sir?

18 A. I really can't say after the fact whether that is,
19 sir.

20 Q. All right, Doctor. Doctor, the final two that we
21 have were Asbury and Dent and both Asbury and Dent, if my
22 notes are correct, had acne only and had no problems either
23 initially or thereafter except the chloracne, isn't that
24 correct, sir?

1 A. That is true, sir.

2 Q. And so they would not go on the board, would they?

3 A. Yes, sir.

4 Q. Now, Doctor, on that board we have 23 people, don't

5 we, sir?

6 A. I haven't counted them but --

7 Q. That was what I last counted. We have got 27

8 people that have these complaints, have we not, sir?

9 A. Yes, sir.

10 Q. Now, that is 27 out of 36, isn't it, sir?

11 A. It is, sir.

12 Q. And of the initial 36, we had 7 people that had

13 chloracne only, isn't that correct, sir? I will remind you

14 Dent, Asbury, H. McClanahan, Null, Galloway and Workman, is

15 that correct, sir?

16 A. How many did you say, sir?

17 Q. Seven, sir, with chloracne only?

18 A. I assume that that is probably so. I haven't

19 counted.

20 Q. So, Doctor, of the 36 people that were examined, 7

21 had no complaints other than chloracne and that would leave

22 us then 29 people that had complaints of chloracne and in

23 addition thereto with exception of Kyle who had no

24 chloracne. Of our 36 people then, only two of those with

1 initial complaints had no complaints in 1953 referring to the
2 aches, the pains, the nervousness, the fatigue and the
3 shortness of breath, isn't that correct, sir?

4 A. I haven't counted them.

5 Q. Please count them, Doctor Suskind.

6 A. Well, you have 27 there.

7 Q. Yes, and we have got 7 who had chloracne only,
8 don't we, sir? That is 34, isn't it, sir?

9 A. Yes.

10 Q. And you examined 36?

11 A. Yes.

12 Q. That means two had complaints initially but they
13 went away, isn't that correct?

14 A. That might be possible.

15 Q. I haven't checked. Isn't it correct, sir? Those
16 two people, sir?

17 A. Who are they, sir?

18 Q. They are McClanahan and Null. No, I am sorry.
19 Null is the one that had chloracne only. Simmons.
20 McClanahan and Simmons.

21 A. Which McClanahan, sir?

22 Q. M. McClanahan.

23 A. H. McClanahan?

24 Q. Yes. It could be Willard McClanahn. The man

1 following Null. Do you see that that is correct, sir?

2 A. Let me check, sir, if you will. Millard R.

3 McClanahan, page 23. And who was the second one?

4 Q. I said it just a second ago. Simmons.

5 A. Okay.

6 Q. Is that correct, sir?

7 A. That is correct.

8 Q. So, what we have on this 1980 report that you and
9 Doctor Zack prepared is that if we put in the words in a few
10 cases workers did not continue to complain of aches and pains
11 and so forth, it would then be an accurate statement,
12 wouldn't it, sir, because we have two that did not continue
13 to complain of aches and pains and so forth, isn't that
14 correct, sir?

15 A. No. That is not correct, sir.

16 Q. How many did we have that did not continue to
17 complain of aches and pains, lower extremities, back,
18 nervousness, fatigue and shortness of breath?

19 A. Of the 36 we have 27 but we are talking about
20 something else in the Zack-Suskind study.

21 Q. We are talking about something else? How many did
22 not continue to complain of aches and pains?

23 A. May I read it?

24 Q. My question is, how many did not continue to

1 complain of aches and pains in 1953?

2 A. Two of the 36 but that is not what we were talking
3 about in the Zack-Suskind study.

4 Q. What we are you talking about in the Zack-Suskind
5 study --

6 A. May I read it?

7 Q. What were you talking about in the Zack-Suskind
8 study?

9 A. We were talking about the re-examination of the
10 persons, the 4 of the 6 workers examined in 1949.

11 Q. That is the 10 to start with, isn't it, sir? How
12 many of those 10 continued to complain of aches and pains in
13 1953?

14 A. There weren't 10, sir. There were only 6.

15 Q. Well, how many of those 6 continued to complain,
16 sir?

17 A. We only examined --

18 Q. All of them is the answer, isn't that correct,
19 Doctor?

20 A. That is not so.

21 Q. Did you examine Shank, Milan, Westphall, Steele,
22 Selby, the original 10 with the original 6 in that, sir?

23 A. Sir, we did not examine Shank or Milan, Westphall
24 or Selby or Hudnall in 1949 or '50.

1 Q. Who did you examine, sir?

2 A. We examined Willard, we examined Steele, we
3 examined Ivel McClanahan, we examined Jonathan Hurley, we
4 examined Harold Young and we examined --

5 Q. You examined Steele, Willard, Harold Young, J.
6 Hurley and Ivel McClanahan?

7 A. We examined one other, sir.

8 Q. Well, Doctor, of those that you re-examined in
9 1953, 100 percent of those people continued to complain of
10 these aches and pains and so forth, did they not, sir?

11 A. But what we said --

12 Q. Excuse me, sir. Could you answer that question,
13 please, sir?

14 A. Yes.

15 Q. Isn't it a fact that 100 percent of the workers
16 that you re-examined continued to complain of aches and pains
17 and so forth?

18 A. Would you refer to this?

19 Q. Could you answer my question please?

20 A. They did.

21 Q. Yes.

22 A. But that is not what we said here.

23 MR. CARR: Your Honor, I think the witness has
24 responded to my question.

1 Q. Doctor, all of the workers that you examined that
2 you re-examined continued to have these complaints, did they
3 not, sir?

4 A. We said that the finding --

5 Q. Excuse me, Doctor. All of the workers that you re-
6 examined continued to have these complaints, didn't they,
7 sir?

8 A. But at a much lower level, sir.

9 Q. Doctor, I am not quarreling with the much lower --

10 A. That is what we said in the statement, sir.

11 Q. What you said was quote in a few cases, workers
12 continued to complain of aches and pains of the lower
13 extremities, in the back, nervousness, excessive fatigue and
14 dyspnea. Didn't I put it up here exactly correct?

15 A. But there is another statement which you left out.
16 There is a statement that you left out.

17 Q. No clinical explanation for these complaints could
18 be made based on the record. All of the workers showed a
19 marked improvement in their skin lesions?

20 A. No, sir, that is not what I am talking about.

21 Q. Let's read the whole paragraph. "In 1953, four of
22 the six workers examined in 1949 and 1950 were re-examined
23 and six additional workers involved in the accident were also
24 examined." Now, that is 10, isn't it, sir?

1 A. That is.

2 Q. And that is these 10, isn't it, sir?

3 A. But we didn't examine six in 1949 and '50. We
4 didn't examine six. We only examined four.

5 Q. Doctor, be that as it may, then you go on to say,
6 "The findings in this later examination indicated a general
7 regression of both the cutaneous and non cutaneous symptoms
8 which had been present earlier", and that is certainly true,
9 isn't it, sir?

10 A. That is certainly true.

11 Q. No question about that?

12 A. That the --

13 Q. Doctor, there is no question that they did show a
14 general regression in these cutaneous and non cutaneous
15 symptoms, isn't that correct, sir?

16 A. That is right.

17 Q. And in those four that were re-examined, isn't that
18 correct, sir?

19 A. Right.

20 Q. "And all of the workers showed a marked improvement
21 in their skin lesions", that is correct, isn't it, sir?

22 A. Yes.

23 Q. "There were residual of the acne and a few active
24 lesions", that is correct, isn't it, sir?

1 A. Yes, it is.

2 Q. "In a few cases, workers continued to complain of
3 aches and pains of the lower extremities and back,
4 nervousness, excessive fatigue and dyspnea." That isn't
5 correct?

6 A. It is, if you --

7 Q. 100 percent of those that you re-examined continued
8 to have those aches and complaints, did they not, sir? 100
9 percent of those that you re-examined continued to have these
10 aches and pains, did they not?

11 A. Those were the few we were talking about. Four is
12 a few. Four is a few.

13 Q. And, Doctor, what you are doing then is misleading
14 the world at large by making a suggestion. We only examined
15 a few cases and in these few cases that we examined they
16 continued to have their aches and pains, isn't that right,
17 sir? That is what you are telling the world at large?

18 A. No, I am not.

19 Q. Doctor, did you tell the world that every single
20 one of the persons that you re-examined continued to have
21 these same complaints that they had in 1949, albeit reduced
22 in some cases and increased in others. Did you tell the
23 world that, Doctor Suskind?

24 A. We did in the first part of that paragraph.

1 Q. You didn't say that, did you, sir? But now in the
2 next sentence. You said referring to exactly the same cases,
3 that is all of them. You are referring to exactly the same
4 cases in the next sentence, aren't you, sir?

5 A. Right.

6 Q. In the one sentence you say all of them showed
7 great improvement. In the next sentence in a few cases they
8 continued to complain. Why didn't you say if you wanted to
9 let the world know that four years after this exposure they
10 continued to have these complaints, why didn't you say in
11 that next sentence, all of the workers also, however,
12 continued to complain of aches and pains? Why didn't you say
13 that, Doctor Suskind?

14 A. Because all we could judge by were the people we
15 re-examined.

16 Q. And that was 100 percent, wasn't it, sir?

17 A. And those are a few of the workers.

18 Q. And, Doctor, when you wrote the thing in 1980, you
19 had the benefit -- you knew that 27 of these people out of
20 36, 27 out of 29 that had the complaints continued to have
21 the complaints, didn't you, sir?

22 A. At a much lower level.

23 Q. Doctor, would you answer my question, please, sir?

24 A. We weren't talking about the --

1 Q. Doctor, you said all of the workers showed a marked
2 improvement in their skin lesions. Now, by that you meant
3 100 percent of the workers, didn't you, sir? All of the
4 workers?

5 A. Yes.

6 Q. You are talking about four, aren't you, sir?

7 A. Uh-huh.

8 Q. But you are distinguishing from that, aren't you,
9 sir? You are saying in the next sentence, in a few cases,
10 they continued to complain of these aches and pains? Why
11 didn't you say in all of the cases they continued to
12 complain? You said in all of the workers that they had an
13 improvement in their skin lesions, didn't you, sir?

14 A. We were referring to those few.

15 Q. Didn't you, sir?

16 A. Yes.

17 Q. You didn't say in a few of the workers they showed
18 a marked improvement, did you, sir? You didn't say a few of
19 the workers showed a marked improvement, did you, sir?

20 A. Where are you looking at, sir?

21 Q. The sentence where you said all of the workers.
22 You didn't say in a few cases, the workers showed a marked
23 improvement in their skin lesions?

24 A. Right, and I was talking about the four.

1 Q. Doctor, would you answer my question please, sir?

2 A. The answer is no.

3 Q. You did not know what was -- what I put is the

4 first time you learned that there were 27, sir, is when I put

5 it on this board that continued to have these complaints?

6 A. That isn't what we are talking about in this

7 article.

8 Q. I am not asking you about that at this point in

9 time. What I am asking you, sir, is you knew when you wrote

10 the article in 1980 that 27 out of 29 workers continued to

11 have these complaints, did you not, sir?

12 A. We had only discovered that they had those

13 complaints.

14 Q. You discovered that in 1953. You wrote the article

15 in 1980, Doctor. In 1980 when you wrote the article which

16 the world read and believed, you knew at that time that 27

17 out of 29 workers continued to complain of these problems,

18 did you not, sir?

19 A. We only knew --

20 MR. CARR: Your Honor, would you direct the witness

21 to answer that question? I think he can easily answer that

22 either he did know it or did not know it.

23 THE COURT: Doctor, you do have to answer it.

24 A. Thank you. We did know it.

1 Q. Thank you, Doctor.

2 A. But that isn't what we said in the article.

3 Q. I know you didn't say that in your article and,
4 Doctor, that is exactly the point of this cross examination.
5 You knew it, it is information the world should have been
6 told. You knew it, your workers knew it but you did not tell
7 anybody, did you, sir? You minimized it. You said in a few
8 cases they continued to complain. And an intelligent person
9 reading that, the sentence right before it says all of the
10 people had an improvement in their chloracne and a few cases
11 they continued to complain of the aches and pains. Doctor,
12 you knew as an intelligent scientist along with Zack, you
13 knew exactly what you were saying. You meant to convey to
14 the world that which you did convey, that these people at
15 Nitro had their aches and complaints and problems and
16 nervousness and fatigue, had disappeared except for a few
17 cases. That is exactly what you meant to convey, didn't you,
18 sir?

19 A. That is what we say.

20 Q. And, Doctor, even in your report in 1953, you
21 pointed out then with a somewhat different count, that there
22 were 23 -- page 41, you said there in the middle of the page,
23 23 persons at the time of the examination complained of
24 symptoms other than cutaneous which they attributed to the

1 occupational exposure. You counted that time 23, didn't you,
2 sir?

3 A. No, sir.

4 Q. Isn't that what you said?

5 A. We did not say it was attributed to the
6 occupational exposure.

7 Q. Didn't you say that 23 persons at the time of the
8 examination complain of symptoms other than cutaneous which
9 they attributed to the occupational exposure?

10 A. They attributed it.

11 Q. Isn't that what they said?

12 A. They attributed to it. That is what we said.

13 Q. Isn't that what you said?

14 A. That is what we said.

15 Q. And isn't that what we are talking about? They in
16 this case are the workers, aren't they, sir?

17 A. That is true.

18 Q. And, these included, according to your statement,
19 aches, pains, nervousness, fatigue, loss of vigor, shortness
20 of breath, decrease in libido. Most of the 23 cases they
21 were mild but there were seven cases that were not mild,
22 isn't that right, sir? Isn't that what you said, sir?

23 A. Where are you reading from, sir?

24 Q. That very same paragraph on page 41, sir, that I

1 Q. Doctor, what do you --

2 A. The non cutaneous findings were also liver
3 findings.

4 Q. Doctor Suskind, what do you cite as authority for
5 the statement that you make in that paragraph that we have
6 been discussing? Let me help you. Your reference, Doctor,
7 is contained on page 14 and your reference is the very report
8 of the Kettering Laboratory that we have been referring to,
9 July of 1953?

10 A. Correct.

11 Q. Doctor, what that means is is that when you and
12 Zack sat down together to prepare this report, you had this
13 mortality experience of workers exposed that you put in the
14 Journal of Occupational Medicine, you had this report that we
15 have just gone through in front of you, didn't you, sir?

16 A. Yes, sir.

17 Q. You knew what it said. You knew the kind of
18 problems that the people had and you determined to minimize
19 it, didn't you, sir?

20 A. We did not, sir.

21 Q. Did you not make a conscious determination to
22 minimize the complaints that these workers were having?

23 A. Absolutely not, sir.

24 Q. Excuse me, Doctor. Who actually wrote this

1 was just reading to you.

2 A. Would you repeat the statement, please, sir?

3 Q. You said there, "In most of these 23 cases, the
4 other symptoms were mild except in the instances of seven
5 workers. Westphall, Steele, Willard, Hudnall, Selby, Beckman
6 and Stover." Isn't that correct?

7 A. That is correct.

8 Q. These seven people had symptoms and problems other
9 than mild, did they not, sir?

10 A. This is what we said.

11 Q. Yes. Did you tell the world that, sir, in your
12 1980, report?

13 A. We described the finding.

14 Q. Excuse me, Doctor. Did you tell the world that in
15 your 1980 report?

16 A. I think we did.

17 Q. When you said that the cutaneous, all the cutaneous
18 symptoms had improved and in a few cases the workers
19 continued to complain. Is that telling the world of all
20 these problems these workers at Nitro have, Doctor Suskind?

21 A. I believe we have.

22 Q. Is that telling the world, sir?

23 A. Yes, sir. As accurately as we could determine it
24 at the time including the liver findings.

1 paragraph? Did you write it or did Judith Zack write it?
2 A. I can't tell you at this time.
3 Q. Let me help you, Doctor. You know she sent it to
4 you?
5 A. She sent what to me?
6 Q. This draft to you, sir. You made some revisions in
7 it and then sent it back. That paragraph was --
8 A. That is not so, sir.
9 Q. That isn't so. Well, I don't have the document
10 with me at this moment but we will bring it when you next
11 come back.
12 A. Very good, sir. I would be delighted to see it.
13 Q. Doctor, in addition to the other findings, there is
14 another finding that you made, an objective neurological
15 finding of another worker, isn't that correct, sir?
16 A. If you are talking about Mr. Hurley --
17 Q. No, I am talking about Mr. Hudnall. We already
18 went into Hurley. I am talking about Mr. Hudnall. Page 41,
19 Doctor. The same page we have been on.
20 A. I see it, sir.
21 Q. And this is a neurological complaint that he didn't
22 have at the earlier examination, did he, sir?
23 A. We didn't examine Mr. Hudnall before, sir.
24 Q. He wasn't examined by you before 1953, was he?

1 A. That is correct.

2 Q. But in any event, this is another neurological
3 finding, isn't it?

4 A. Another neurological finding, yes. A finding.

5 THE COURT: Is this a good point to break, Mr.
6 Carr?

7 MR. CARR: Yes, Your Honor, it is.

8 THE COURT: Could I see you at the bench for a
9 minute please, gentlemen.

10 (Bench conference had out of the hearing of the jury
11 and off the record.)

12 (The following proceedings were had in the hearing
13 and presence of the jury).

14 THE COURT: Ladies and gentlemen, we are going to
15 adjourn for the day and as it turns out for the week. As I
16 told you earlier on the schedule, we will start again on
17 February 25th which is Tuesday at 9:30. I would remind you
18 as I do on any of these overnight breaks that you are not to
19 read, listen to or watch anything about this case in
20 particular or subject matter in general in any of the media.
21 Thank you for your attention and cooperation and your
22 patience. We will see you next week. Have a good weekend.

23 COURT ADJOURNED:
24

1 STATE OF ILLINOIS)
2 TWENTIETH JUDICIAL CIRCUIT) SS
3 COUNTY OF ST. CLAIR)
4

5 I, Kimberly Ganz, one of the Official Court Reporters, do
6 hereby certify that the foregoing transcript is a true and
7 correct transcript of the proceedings had in the
8 above-entitled cause.

9 Dated this 24 day of February, 1986.

10

11

12

13

14

15

16

17

18

19


20

21

22

23

24


KIMBERLY GANZ

1 STATE OF ILLINOIS)
2 TWENTIETH JUDICIAL CIRCUIT) SS
3 COUNTY OF ST. CLAIR)
4

5 I, RICHARD P. GOLDENHERSH, one of the Judges in and for
6 the Twentieth Judicial Circuit, do hereby certify that the
7 foregoing transcript is a true and correct transcript of the
8 proceedings had in the above-entitled cause.

9 Dated this 25 day of February, 1986.

10

11

12

13

HON. RICHARD P. GOLDENHERSH

14

15

16

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

INDEX

In Chambers Conference - 51/130

PAGE

WITNESSES CALLED ON BEHALF OF THE DEFENDANT:

1. RAYMOND SUSKIND

Cross Examination by Mr. Carr. 2