

CAUSE NO. 08-CV-0161

MARY ELLEN HALL,) IN THE DISTRICT COURT OF
INDIVIDUALLY AND AS)
REPRESENTATIVE OF THE ESTATE)
OF JOHN NEAL HALL, DECEASED,)
JOHN EMERY HALL AND LISA ANN)
EASLEY,)
Plaintiff(s),)

V.) GALVESTON COUNTY, TEXAS

RADIATOR SPECIALTY COMPANY,)
ET AL,)
Defendant(s).) 56TH JUDICIAL DISTRICT

ORAL DEPOSITION OF

ETHAN A. NATELSON, M.D.

JANUARY 18, 2010

ORAL DEPOSITION of ETHAN A. NATELSON, M.D., produced as a witness at the instance of the Plaintiffs, and duly sworn, was taken in the above-styled and numbered cause on the 18th of January, 2010, from 1:34 p.m. to 4:43 p.m., before Wendi Broberg, CSR in and for the State of Texas, reported by machine shorthand, at the Law Offices of Baker Botts, L.L.P., One Shell Plaza, 910 Louisiana, Suite 3200, Houston, Texas 77002, pursuant to the Texas Rules of Civil Procedure.

INDEX

Index	2
Index of Exhibits	3
Appearances	4
ETHAN A. NATELSON, M.D.	
Examination by Mr. Lubel	5
Examination by Mr. Humphrey	118
Further Examination by Mr. Lubel	119
Signature of Witness	121
Reporter's Certification	122

INDEX OF EXHIBITS

NUMBER	DESCRIPTION	MARKED/IDENTIFIED
1	Expert report of Ethan A. Natelson, M.D., dated 11/22/09 (No Bates)	5
2	Dr. Natelson's notations to himself regarding his observation of bone marrow slides (No Bates)	16
3	American Society of Hematology article on "Genetic Pathways in the Pathogenesis of Therapy-Related Myelodysplasia and Acute Myeloid Leukemia," "Hematology 2007" (No Bates)	38
4	Dr. Richard Irons' article on "Integrating WHO 2001-2008 criteria for the Diagnosis of Myelodysplastic Syndrome (MDS): A case-case analysis of benzene exposure" (No Bates)	40
5	Ruiz, Linet and Irons articles (No Bates)	69
6	Excerpt from article on "The Health Consequences of Smoking" (No Bates)	101
7	Letters from Baker Botts and Forman Pery to Dr. Natelson re enclosed materials for review (No Bates)	116

A P P E A R A N C E S

FOR THE PLAINTIFFS:

MR. LANCE H. LUBEL
HEARD, ROBINS, CLOUD, BLACK & LUBEL, L.L.P.
3800 Buffalo Speedway
Fifth Floor
Houston, Texas 77098
Ph. (713) 650-1200
Fax (713) 650-1400
E-mail: llubel@heardrobins.com

FOR THE DEFENDANT RADIATOR SPECIALTY COMPANY:

MR. SCOTT R. HUMPHREY
BAKER BOTTS, L.L.P.
One Shell Plaza
910 Louisiana
Suite 3200
Houston, Texas 77002
Ph. (713) 229-1234
Fax (713) 229-7919
E-mail: scott.humphrey@bakerbotts.com

REPORTED BY:

WENDI BROBERG, TEXAS CSR 7091
Contracted by:
Stratos Legal Services, L.P.
1001 West Loop South
Suite 809
Houston, Texas 77027
Ph. (713) 481-2180

1 ETHAN A. NATELSON, M.D.,

2 having being first duly sworn, testified as follows:

3 EXAMINATION

4 BY MR. LUBEL:

5 Q Please state your full name.

6 A Ethan A. Natelson.

7 Q Have you been hired as a witness on behalf of
8 the defendants in this lawsuit?

9 A Yes.

10 (Exhibit 2 marked)

11 Q (By Mr. Lubel) Is Exhibit No. 1 out of your
12 file a copy of your report that contains your opinions
13 in this lawsuit?

14 A Yes.

15 Q Does your report provide the support for your
16 opinions?

17 A I think so.

18 Q Are you prepared to discuss that in detail
19 today?

20 A Yes.

21 Q Are there any opinions that are not contained
22 in your report that you intend to offer as you know it
23 today?

24 A Well, I can't anticipate what you're going to
25 ask me, but I feel that the references I have support

1 the opinions I give in the paper.

2 Q I guess what I'm trying to clarify is as you
3 sit here right not knowing what I intend to ask you, are
4 you aware of any opinions that are not addressed in your
5 report that you intend to offer in the case?

6 A Well, it's the same answer. In other words,
7 I -- if I'm asked something, I'll answer it to the best
8 of my ability. It may be peripheral to the case or it
9 may be central to the case and without knowing what it
10 is I'm going to be asked, I can't tell you that every
11 question you might ask is contained in that letter.

12 Q What caused Mr. O'Neal's death?

13 A He --

14 MR. HUMPHREY: Excuse me. Form.

15 Mr. Hall's death. You said Mr. O'Neal.

16 Q (By Mr. Lubel) Oh, I meant -- sorry. John
17 O'Neal Hall's death.

18 A Yes.

19 Q What caused Mr. Hall's death?

20 A He had an overwhelming pulmonary infection
21 which got him into the intensive care unit, and he died.

22 Q And what caused his pulmonary infection?

23 A I don't know if a specific organism was
24 identified. I'm certain that his infection was likely
25 aggravated by his blood condition.

1 Q What blood condition?

2 A He had a condition called myelcdysplasia.

3 Q Did his leukemia contribute to his death?

4 MR. HUMPHREY: Objection. Form.

5 A Well, the M.D. Anderson people in reviewing the
6 slides felt he had acute myeloid leukemia, a monocytic
7 type of leukemia. That opinion wasn't reached by the
8 doctors at St. Luke's who originally looked at the
9 marrow. I don't have any dispute as to whether he had
10 acute myeloid leukemia or was still simply in a
11 myelodysplasia phase, but I think his bone marrow
12 disease contributed to his death.

13 Q (By Mr. Lubel) What bone marrow disease are
14 you referring to?

15 A He had a diagnosis of myelodysplasia, and, as
16 part of that diagnosis, he has abnormal white blood
17 cells with abnormal white blood function. That makes
18 him susceptible to infection.

19 Q And how would that contribute to cause his
20 death?

21 A Well, if you have a person of his age and they
22 get an overwhelming infection and they're not able to
23 use their white cells properly to snuff out the
24 infection, it can cause death.

25 Q But what is it about his blood disease that

1 affected his ability to fight off the infection?

2 A I would say defective white cell function.

3 Q What does that mean?

4 A Well, normally when you have to kill an
5 organism, let's say, and you have a pneumonia and we
6 proceed to give you antibiotics, some antibiotics are
7 what we call bactericidal meaning that by contact they
8 can kill a bacteria. Many antibiotics are what we call
9 bacteriostatic. That means they slow down the growth of
10 the bacteria. Well, the way we get rid of an infection
11 with our antibiotics is we may slow down the growth of
12 the bacteria but the normal white cells phagocytize or
13 eat up the bacteria and based on the enzymes they have
14 inside the white cells they can kill those bacteria.
15 And when you have a person who has few normal white
16 cells, it is very difficult to cure an infection with
17 antibiotics alone. And that's not only bacterial
18 infections but white blood cell -- but fungal
19 infections.

20 (Pager interruption)

21 MR. LUBEL: Are you okay?

22 THE WITNESS: Yeah.

23 Q (By Mr. Lubel) What caused Mr. Hall's blood
24 disease?

25 A I don't know.

1 Q Did any of the treating physicians in the
2 medical records you were furnished -- and I take it you
3 reviewed the medical records?

4 A Yes.

5 Q Did they tell us the cause of Mr. Hall's blood
6 disease?

7 A Not that I saw.

8 Q So you do or do not have an opinion as to
9 whether Mr. Hall, in fact, had leukemia?

10 A The short answer to that is I'm not totally
11 certain, but I would wouldn't dispute it.

12 Q So if the experts on behalf of the plaintiffs
13 come in and claim that or contend that Mr. Hall prior to
14 his death had acute monocytic leukemia, you're not going
15 to contest that point?

16 A That's correct.

17 Q Had you wanted to, what would you need to
18 review to confirm whether, in fact, Mr. Hall had
19 leukemia?

20 A Well, I think I can explain a little bit about
21 what the confusion might be. I looked at the bone
22 marrows that I was supplied. Now, M.D. Anderson when
23 they looked at them had many more copies of slides than
24 I had, and they had done special stains on the bone
25 marrow. And I saw what they saw, at least on the few

1 slides that I looked at, that there were a number of
2 immature cells in the bone marrow, and superficially it
3 looked like an acute leukemia. I can't dispute that.
4 But his treatment and his infection can complicate the
5 morphology. In other words, prior to his getting the --
6 prior to his getting the pneumonia his doctor put him on
7 a compound called Neupogen. It's a stimulus to white
8 cells. And many people with myelodysplasia have large
9 numbers of monocytes that are literally typical as part
10 of their myelodysplasia. When you give them Neupogen,
11 you can make their white count sometimes go up extremely
12 high, 30, 40, 50,000, and the morphology of the cells
13 looks very abnormal and it looks like an acute leukemia.
14 But when you stop the Neupogen and the effects subside,
15 the bone marrow goes back to just the way it started,
16 and it's still a myelodysplasia.

17 So what happened is he was getting a lot
18 of Neupogen stimulating his bone marrow and he had a bad
19 infection stimulating his bone marrow and, as a
20 consequence, his bone marrow was full of a lot of
21 immature cells. And it may well have been an acute
22 leukemia, but I would have liked to have seen a bone
23 marrow, let's say, in a more steady state. He wasn't
24 exactly in a steady state when that bone marrow was
25 done.

1 Q What do you mean "steady state"?

2 A Well, in other words, not taking Neupogen or a
3 colony-stimulating factor, not having an acute
4 infection, because I can tell you over the years I've
5 seen many people with myelodysplasia who get an intense
6 proliferation of their bone marrow, sort of simulating a
7 leukemia when they have an overwhelming illness. And if
8 they get over that illness, the proliferative phase of
9 the bone marrow can subside.

10 And you see what -- the M.D. Anderson
11 doctors, they used a term that means different things to
12 different people. They used a term called blast
13 equivalents. When we see a leukemia and we're confident
14 it's a leukemia, we see myeloblasts, extremely immature
15 cells. But many people feel that in monocytic leukemias
16 you can count cells that are somewhat differentiated.
17 They're a little more mature than blasts. They may be
18 promonocytes. And they call those blast equivalents,
19 meaning they mean the same thing as a blast but they're
20 not exactly a blast. Well, sometimes those blast
21 equivalents can subside as the patient gets away from
22 the acute illness.

23 So, as I say, looking at the material I
24 got, I certainly saw a lot of immature cells, and it may
25 well have been an acute myeloid leukemia. And I

1 certainly wouldn't dispute that diagnosis. The
2 M.D. Anderson people are expert pathologists. But, as I
3 say, I didn't have enough slides and the setting of what
4 had happened was unusual and so I wouldn't be a hundred
5 percent certain about that. That's basically the long
6 version of my opinion.

7 Q What did the M.D. Anderson doctors diagnose him
8 with?

9 A They diagnosed him as an acute monocytic
10 leukemia.

11 Q Otherwise known as AML?

12 A AML, correct.

13 Q That's the short version of what you just said,
14 right?

15 A Correct.

16 Q Today can we refer to it as AML?

17 A Absolutely.

18 Q How did they diagnose Mr. Hall with AML?

19 A What they did is they looked at the cells, and
20 they saw a lot of immature cells. And, as I said, they
21 call those blast equivalents or blast like. They
22 stained the bone marrow with a number of stains. One of
23 them was called a peroxidase stain which highlights
24 myeloblasts. That stain was negative for the most part.
25 Then they stained it for a stain called lysozyme, and

1 monocytes, both mature and immature, produce heavy
2 amounts of lysozyme. And these cells lit up with that
3 stain, so they were clearly in the monocytic cell line.
4 So they saw a bone marrow full of immature-looking cells
5 that took all the stains for monocytes, so it was
6 clearly a myeloid illness.

7 Q As opposed to what?

8 A As opposed to a lymphoid illness. Excuse me.
9 And because the stains that were positive were all
10 myeloid stains. So it was either an overreactive
11 myelodysplasia because of the setting, or it was an
12 acute monocytic leukemia. From my standpoint and my
13 opinion about the case, it doesn't make a difference
14 which one it was, and I will accept a diagnosis of acute
15 myeloid leukemia monocytic variety.

16 Q When did you look at the slides from
17 M.D. Anderson?

18 A I think I took a picture of them actually.
19 It's somewhere in the --

20 MR. HUMPHREY: Be in this folder.

21 A -- in the thing.

22 I asked for the slides, and I got very few
23 of them. And I took a photograph just on a Xerox
24 machine of the slides I was given. I think I was only
25 given four slides or so. Let's see if I can find them.

1 They were in here because I ran across them a moment
2 ago.

3 MR. HUMPHREY: That looks like it right
4 there.

5 A This was on 12/1/09 and my notes say I was
6 given six cassettes of slides, but only two of them had
7 bone marrow. In other words, they were a mixture of
8 slides, some from his autopsy, some from this and that.
9 And the number of slides that were actually bone marrow
10 slides were only a couple of them. And I looked at them
11 and I could see what the M.D. Anderson people were
12 talking about, that is to say there were a lot of
13 immature cells, but these were not what we call films or
14 smears. In other words, they were like biopsies, a
15 chunk of material. So you couldn't see the cellular
16 detail very well. And those were basically the sides I
17 got.

18 Q (By Mr. Lubel) Who sent you these slides on
19 December 1st of 2009?

20 MR. HUMPHREY: I did.

21 Q (By Mr. Lubel) Baker Botts?

22 MR. HUMPHREY: Yeah, those are the ones we
23 got.

24 Q (By Mr. Lubel) Your lawyers did?

25 A Yeah, the Baker Botts people sent me that;

1 that's correct.

2 MR. HUMPHREY: We sent him everything we
3 got from the record service.

4 A Right.

5 And they were not -- I mean, for
6 describing a bone marrow disease, they were not ideal,
7 but I got the picture that M.D. Anderson saw. And
8 that's why I say I saw enough so that I don't really
9 dispute their opinion. But -- but I would say if you
10 ask me of what I have seen of the record am I a hundred
11 percent confident of that, I would say no because of the
12 setting that these cells were taken in.

13 Q (By Mr. Lubel) The reason I asked when you had
14 seen the slides is because the report that you've given
15 regarding your opinions in the case --

16 A Yes.

17 Q -- is November 22nd.

18 A Right.

19 Q So you saw the slides after that?

20 A Whatever the date is. That's -- yes.

21 Q December 1st.

22 A That's so. That's correct.

23 Q And so I didn't see a reference in your report
24 of your opinions to the slides --

25 A That's correct.

1 Q -- which is why I was curious.

2 A I may -- let me just see this for a second, if
3 I can. What did I say?

4 Yes. In fact, in my last paragraph I
5 said, "I reserve the right to amend this report as new
6 or additional materials such as further estimations of
7 dose-exposure levels and additional clinical material
8 concerning Mr. Hall, such as his bone marrow slides,
9 become available." So I had no bone marrow slides when
10 I wrote this letter.

11 Q Nor did you feel like the bone marrow slides
12 were critical to you forming an opinion in this case?

13 A That's correct.

14 (Exhibit 2 marked)

15 Q (By Mr. Lubel) So Exhibit No. 2 is your
16 correspondence with Baker Botts regarding these bone
17 marrow slides, correct?

18 MR. HUMPHREY: Objection. Form.

19 A Well, I wouldn't say it's correspondence. It's
20 simply a notation to myself as to what I looked at.

21 Q (By Mr. Lubel) All right. Do you have
22 correspondence with the law firm that hired you
23 regarding forwarding the information?

24 A No.

25 Q How did you get it?

1 A Oh, in other words, correspondence that
2 accompanied the slides?

3 Q Yes, sir.

4 A Is that what we're talking about?

5 Q Yes, sir.

6 A I thought you meant correspondence I sent to
7 them.

8 Well, it may have -- what's the date on
9 that letter? I have all the letters in here and they
10 came in a little box and I don't remember if there was a
11 cover letter or not, but if there is it would be in
12 here.

13 Q Let's see.

14 MR. HUMPHREY: It's quite possible there's
15 no cover letter.

16 A Yeah, I don't remember seeing a cover letter.

17 MR. HUMPHREY: As I recall, we just
18 couriered them over to Dr. Natelson after I told him
19 over the phone that they were coming.

20 Q (By Mr. Lubel) Was there anything in
21 Mr. Hall's medical history that you attributed to his
22 death?

23 A Well, he had significant heart disease, he had
24 had coronary artery disease and had an aortic valve
25 replace, so his cardiac function was not normal. And

1 when you have an overwhelming infection and you have a
2 bad heart, that's just another factor into why you may
3 die from it.

4 Q Okay. So did his heart kill him?

5 A It would be hard to put a finger on what killed
6 him. I would say his infection killed him.

7 Q What role did his heart play in his death?

8 A I couldn't put a percentage or a number on
9 that.

10 Q Did the doctors that treated him discuss the
11 role of his heart in his death?

12 A I don't recall. There's an autopsy report, and
13 it has an opinion in there.

14 Q Does it claim that the heart caused his death?

15 A I don't recall. We can look at the autopsy
16 report and see what their conclusion was.

17 Q Do you have that with you?

18 A Well, yeah, it's in the file of materials.
19 Let's see if I can find it. I'm just trying to find the
20 bottom line here at the end. I have their final
21 diagnosis. Their diagnosis, I can read you what they
22 say, but basically their diagnosis is respiratory
23 failure. And it finally says in last sentence: The
24 patient eventually developed respiratory failure, what
25 we call ARDS, acute respiratory distress syndrome. The

1 family requested removal of support, and the patient
2 expired. So you could say, well, he expired from
3 hypoxemia, low oxygen levels, if you wanted to pick a
4 number. In the final anatomic diagnosis they also look
5 at his bone marrow and other things. They do not make a
6 diagnosis of acute leukemia on his bone marrow. They
7 make a diagnosis of myelodysplasia.

8 Q Myelodysplasia is a blood disease?

9 A Yes.

10 Q Is it a cancer?

11 A Not always.

12 Q Was his cancer?

13 A I would say in his case we would consider it
14 cancer, yes.

15 Q What's different about his MDS that makes it
16 cancer?

17 A Well, in some cases of myelodysplasia it's an
18 autoimmune process, and if we have a patient with
19 myelodysplasia and we're able to correct that with our
20 antiimmune system drugs and their blood count comes back
21 to normal, it wasn't a cancer. But that's perhaps
22 10 percent of people with myelodysplasia. Most people
23 with myelodysplasia -- and I think he would be in that
24 group -- it would be considered a cancer.

25 Q Did he have a form of myelodysplasia? And

1 today we'll call it MDS, is that okay?

2 A Yes.

3 Q Did he have a form of MDS that can be benzene
4 induced under the right circumstances?

5 A Well, he had MDS, and I would say that based on
6 what is in his bone marrow, yes, I think that his bone
7 marrow could have been potentially induced by benzene.

8 Q The reason I ask you this -- and you and I have
9 talked about this more than once, right?

10 A Yes.

11 Q You have opined, you've testified under oath,
12 that there's a particular form of MDS called RARS,
13 R-A-R-S, that you do not believe can be benzene induced,
14 correct?

15 A Correct.

16 Q But you have testified that the other forms of
17 MDS under the right circumstances can be caused by
18 benzene exposure?

19 A That's true depending on what classification
20 you're using because the original classifications had
21 chronic myelomonocytic leukemia as a form of MDS.
22 That's been moved out of that category.

23 Q Which classification system do you prefer to
24 use?

25 A You can use the current classification system,

1 the WHO system.

2 Q As opposed to the FAB?

3 A Yes, I think that would be the -- has
4 supplanted that.

5 Q And that's in your file materials, that
6 classification, correct?

7 A Yes. Actually, I have a copy of the World
8 Health Organization book that's got a copy of or a chart
9 that shows that in there.

10 Q Now, there's more than one form or subtype of
11 MDS, correct?

12 A Yes.

13 Q You've testified previously that the only form
14 that you're convinced is not caused by benzene exposure
15 is RARS, R-A-R-S, correct?

16 MR. HUMPHREY: Objection. Form.

17 A We're talking morphology forms, I think is what
18 you're dealing with, and you get into a difference of
19 what something looks like under the microscope or what
20 it looks like in conjunction with other tests. In other
21 words, I think there are forms of myelodysplasia such as
22 one that the World Health Organization refers to as the
23 5q minus syndrome. That's a form of myelodysplasia. By
24 looking at that bone marrow under the microscope, it
25 could be caused by chemicals or benzene, but in clinical

1 usage there's no evidence of that. In other words, once
2 we know that it's got this particular chromosome defect,
3 that makes it unlikely to fit into a benzene-related
4 disease.

5 Q (By Mr. Lubel) But you do acknowledge that
6 Mr. Hall's particular form of MDS can be benzene
7 induced?

8 A Yes.

9 Q And obviously for many years you've testified
10 under agreement you've acknowledged that AML can be
11 caused by benzene exposure?

12 A Yes, particularly certain forms of AML. There
13 are some that are far less likely than others.

14 Q And the particular -- the only form that I've
15 heard you testify that you did not believe was related
16 to benzene exposure was the M3 form.

17 A I think that there is -- that is true. The M3
18 form is not thought -- or acute promyelocytic leukemia
19 is not thought to be caused by benzene. I think the
20 Inversion 16 AML there is no evidence that it can be
21 caused by benzene.

22 Q Which form is that?

23 A Inversion 16? It's just referred to as
24 Inversion 16 AML.

25 Q It's not one of the M1 through 9 --

1 A It can be either M4 or M2. It's not fixed into
2 a particular M category.

3 Q As a hematologist is there a relationship
4 between MDS and leukemia?

5 A Is there a relationship between them?

6 Q Correct.

7 A Well, a number of people who have MDS catch
8 leukemia as the years go by.

9 Q Why is that?

10 A Well, because -- why? I don't always know why,
11 but in some cases what happens is that if there's a
12 chromosome defect it may be a single one and then over
13 time it becomes multiple and the bone marrow function
14 becomes worse and eventually it may eventuate in an AML.
15 And if you looked at all comers with myelodysplasia,
16 that probably happens 20 or 25 percent of the time. It
17 happens less in certain types of myelodysplasia, perhaps
18 more in others.

19 Q Are you saying that MDS predisposes someone to
20 leukemia?

21 A Yes, it is preleukemic syndrome.

22 Q But it is not necessary that somebody have
23 preceding MDS in order to have or develop a leukemia,
24 correct?

25 A Certainly not.

1 Q I think you said 20 to 25 percent of the MDSS
2 turn into leukemia?

3 A Yes.

4 Q Does that happen irrespective of whether they
5 are treated with leukemogens like chemotherapy or
6 radiation therapy? Is that in its natural course?

7 A That's in its natural course, yes.

8 Q So when you give the statistic 20 to 25 percent
9 of MDSS turn into leukemia, you're not referring to
10 those that are treated with chemotherapy?

11 A That's correct.

12 Q Do you know what percentages of the MDS that
13 are treated with chemotherapy turn into leukemia?

14 A I couldn't put a number on that.

15 Q Just an estimate?

16 A I really couldn't put a number on it because,
17 you see, typically you're treating them with
18 chemotherapy when they're forming increasing numbers of
19 blasts, not enough to make the diagnosis of AML but
20 enough to suggest that they're on a slippery slope, and
21 you now institute chemotherapy and chemotherapy may
22 predispose to acute leukemia. So it's not always clear
23 whether it's your chemotherapy or it's the natural
24 history any longer.

25 Q (By Mr. Lubel) How does one tell?

1 A I don't know that one can tell.

2 Q So even if you have a patient that has MDS that
3 is receiving chemotherapy treatment, respected
4 hematologists can't tell whether their subsequent
5 leukemia was, in fact, caused or contributed to be
6 caused by the chemotherapy itself?

7 A I think that's a reasonable statement, yes.

8 Q Same with radiation therapy, same answer, or is
9 it different?

10 A I think really it would be the same answer.
11 You get into some unique situations. For example, I may
12 have a person who has an acute leukemia and they are
13 treated with chemotherapy and they get a bone marrow
14 transplant. Their original acute leukemia has no
15 chromosome defect. As a part of that transplant process
16 they receive chemotherapy and radiation. A year or two
17 or three elapses, and they catch leukemia again. This
18 time the leukemia has minus 7 chromosome. They have a
19 new form of leukemia. It may even look different than
20 the one they started with. Well, in those circumstances
21 you can be not hundred percent certain but you can be
22 fairly certain that your therapy caused that second
23 leukemia.

24 Q Can that happen in the absence of the therapy?
25 Can the leukemia morphology change?

1 A Well, in the absence of therapy a person with
2 leukemia would only live a few months, so you wouldn't
3 be able to know that.

4 Q I didn't see in your report any criticisms of
5 any of Mr. Hall's treating doctors, correct?

6 A That's correct.

7 Q I take it you're not critical of them?

8 A No.

9 Q But you've not formed the opinion that any of
10 the doctors or hospitals that treated Mr. Hall committed
11 malpractice, correct?

12 A That's correct.

13 Q Let's take a step back for a minute. I want to
14 talk to you about methodology. You've heard that term
15 at least since the time period you've been in these
16 lawsuits, right?

17 A Yes.

18 Q Pretty important?

19 A Yes.

20 Q You have attempted to follow the same
21 methodology in all of these cases, right?

22 A Yes.

23 Q What is it?

24 A What is it?

25 Q How would you lay out your methodology?

1 A My methodology. Okay. Let's take this case,
2 for example. The first thing I would like to know is
3 what is the primary diagnosis. And in this particular
4 case the primary diagnosis, the primary hematologic
5 diagnosis -- the patient had other medical conditions --
6 the primary hematologic diagnosis is myelodysplasia.
7 That is a very general category of disease, and there
8 are many different forms of myelodysplasia. And in many
9 cases we don't know the cause for them. And over the
10 years people have tried to separate them into smaller
11 and smaller categories to try to determine a cause. So
12 that's the first thing I would do, is to look at what's
13 the primary disease. And then the second feature is
14 what is the natural history of this disease.

15 Q What does that mean?

16 A Well, that means that if I have a patient who
17 gets hepatitis C, over time part of the natural history
18 is to develop cirrhosis of the liver from it and over
19 more time to develop liver cancer in that cirrhotic
20 liver. That's the natural history. So if I see someone
21 with hepatitis C as a primary diagnosis and they've
22 caught liver cancer, I'm not surprised. That's the
23 natural history of the disease. In this particular
24 circumstance Mr. Hall has myelodysplasia. It is the
25 natural history of the disease to progress to acute

1 leukemia, so whether he does or doesn't have acute
2 leukemia in my view is not an essential feature of his
3 illness. And that's why I say I don't dispute it, but
4 it doesn't carry a tremendous importance for me because
5 the question here is what is his illness and why did he
6 have it.

7 Q But he did not divert from the natural history
8 of MDS in your opinion?

9 A No, he did not divert from the natural history
10 of MDS.

11 Q And when you look at the primary diagnosis, the
12 reason you're looking at that at the beginning is to
13 evaluate whether, in fact, the patient has a disease
14 that can be chemically induced in the first place?

15 A Well, that's one thing I look at. I look at
16 what is the disease and then what are the causes of that
17 disease.

18 Q And he met that?

19 A He met that he had the disease. He had
20 myelodysplasia, yes.

21 Q In other words, he met a disease that can be
22 benzene induced?

23 A Yes.

24 Q It followed the natural history of the disease
25 as you see it --

1 A Yes.

2 Q -- or as you appreciate it, correct?

3 A Yes.

4 Q What was the third part of your methodology?

5 A The third part of the methodology is to attempt
6 to look at causation.

7 Q That's pretty broad, though.

8 A That's a broad thing. But under causation
9 would be how is it that we can -- are there ways that we
10 can suggest causation because other than having somebody
11 with an immune-based myelodysplasia, treating them and
12 the myelodysplasia goes away, it's very difficult to
13 prove causation. So in any individual case we can't
14 prove causation with certainty. We can just say what's
15 likely and what's more likely than not. And when we
16 look at myelodysplasia as a general category, there is
17 general agreement in the literature that the vast
18 majority of cases are what we call de novo, or you like
19 to say idiopathic, that we do not know the cause and we
20 do not find evidence from the record that there was a
21 cause, either radiation, chemical, chemotherapy, viral
22 infection. We don't find an underlying illness that led
23 to that diagnosis and is referred to as idiopathic or,
24 in this case, de novo.

25 Q Those are synonymous in your opinion,

1 idiopathic and de novo?

2 A They're not exactly synonymous. Idiopathic
3 simply means we don't know the cause. De novo, when
4 it's taken in the context of myelodysplasia and AML,
5 says we don't know the cause, but it is not
6 chemotherapy, radiation therapy or benzene or
7 immunosuppressor drugs, for example.

8 Q So you're saying de novo is more specific on
9 the considerations that were taken into account on
10 causation?

11 A I think so, yes.

12 Q And so in your opinion a reference to a disease
13 such as MDS being de novo or referred to as de novo
14 means that whoever was evaluating causation considered
15 chemotherapy, radiation and chemicals such as benzene?

16 A Yes, whether that person was an attending
17 physician at the time or me today. In other words, I'm
18 looking at what I have from the record and would I put
19 that in a pile that is likely to be de novo or a pile
20 that is likely to be secondary to something and then to
21 determine what that something might be.

22 Q Now, I take it with respect to Mr. Hall you saw
23 no evidence of it having a secondary cause specifically
24 from chemotherapy or radiation treatment?

25 A Correct.

1 Q He did not have a smoking history according to
2 your report?

3 A According to his report I take it on faith that
4 he was not a smoker. The medical records say he did not
5 smoke.

6 Q And so you considered smoking as a potential
7 cause of his MDS or leukemia?

8 A Yes.

9 Q You ruled that out?

10 A Yes.

11 Q As best you could in your methodology which is
12 looking at the medical records?

13 A Yes.

14 Q You looked at or analyzed the medical records
15 to see if he had had preexisting chemotherapy or
16 radiation treatment, secondary causes, correct?

17 A Yes.

18 Q Didn't see any?

19 A I didn't see any.

20 Q What else did you consider in the causation
21 category?

22 A In the causation category. Well, in this
23 instance we're looking at benzene as a potential cause
24 for this leukemia, and so I noticed that one of your
25 experts modeled his benzene exposure and thought that

1 the cumulative amount over many years by inhaled route
2 was around 6.7 part per million and a little slightly
3 higher if he factored in skin or other sources. And one
4 of the articles I referenced in here by the World Health
5 authority, their chapter on myelodysplasia, indicates
6 that while benzene may cause myelodysplasia it causes it
7 at exposures well above the recommended levels. Well,
8 those levels are not high enough, according to the World
9 Health Organization fascicle, to cause benzene-induced
10 myelodysplasia so that his exposure history would be
11 weak if benzene was the cause. That would be one thing
12 I looked at.

13 The second thing I looked at is the
14 morphology. And while I've said that his morphology
15 could be consistent with benzene-induced leukemia
16 because there's not a hundred percent perfect
17 concordance on what morphology looks like, the
18 literature suggests that there is a strong pattern to
19 the myelodysplasia we see from benzene, and that pattern
20 is somewhat similar to what we see from chemotherapy in
21 that generally the bone marrows have reduced numbers of
22 cells, what we call hypocellularity. His bone marrow
23 didn't have Hypocellularity.

24 Q You didn't see any reference in the medical
25 records or the pathology?

1 A It said it specifically was normocellular,
2 normal cells.

3 Q There's no reference to hypocellularity,
4 correct?

5 A Correct.

6 And in the subsequent references that I
7 have in here, the bone marrows in benzene-induced
8 disease are typically hypocellular.

9 Second, the bone marrows in
10 benzene-induced suppression often have an increase in
11 eosinophils. That's a type of a white cell that's got
12 red granules in there. He didn't have that feature in
13 his bone marrow. The bone marrows from people with
14 benzene don't have any ring sideroblasts in them. He
15 didn't have any ring sideroblasts. I think one report
16 said he had one stray ring sideroblast, but we'll call
17 it negative. So he didn't have ring sideroblasts. That
18 doesn't help us, in other words, because benzene-induced
19 disease is not associated with ring sideroblasts, but he
20 didn't have ring sideroblasts. Had he had ring
21 sideroblasts, that would, again, made it less likely for
22 benzene, but he didn't. So he's got hypocell -- he
23 doesn't have hypocellularity. He doesn't have
24 eosinophilia.

25 The other feature of these marrows is that

1 what's called erythrophagocytosis. And I can explain
2 that a bit better. Most bone marrows have scavenger
3 cells in them, what we call large histiocytes, and in
4 certain bone marrow diseases those scavenger cells
5 become very active and they eat up normal cells or
6 seminormal cells. And when you look at the bone marrow
7 you see these histiocytes full of gobbled-up cells, and
8 that's very typical in benzene-induced myelodysplasia.
9 He didn't have that.

10 So, yes, he had myelodysplasia. He didn't
11 have the typical form you see from benzene. That
12 doesn't exclude benzene from causing it, but it was not
13 the typical feature that we see.

14 Q Okay.

15 A Then --

16 Q Go ahead. I didn't mean to interrupt you.

17 A Then the next feature when you go from the
18 morphology is the chromosome array. He had an
19 interesting chromosome analysis, and his chromosome
20 analysis showed that he had what's called deletion 20q.
21 And what that is it's a variable loss of genetic
22 material from one of the arms of the 20th chromosomes.
23 It's referred to as an interstitial deletion, sort of
24 part of the innards are missing. And that particular
25 defect has been well studied and occurs in several bone

1 marrow diseases, including polycythemia vera where it
2 was first seen, and some feel it can be seen in as many
3 as 10 percent of people with polycythemia vera. It also
4 occurs in myeloproliferative diseases, and it can
5 occur in myelodysplasia. And, generally speaking, about
6 5 percent of people with myelodysplasia have the 20q
7 defect. To my knowledge it has never been described
8 from benzene exposure, and most of the diseases it
9 occurs in can't be caused by benzene. They're not
10 benzene-related diseases.

11 Now, in the case of Otto Wong, who's been
12 studying these interstitial deletions among the Chinese
13 with heavy benzene exposure, he has found in the Chinese
14 general population with myelodysplasia they, too, have
15 the 20q minus phenomenon, and he found it in 4 percent
16 of the Chinese patients he studied with what he thought
17 was de novo myelodysplasia; that is to say, by
18 questionnaire and examination, no history whatsoever of
19 benzene exposure. Another author says it occurs as
20 possibly as high as 9 percent in Chinese with de novo
21 disease. So the bottom line is 20q occurs at least with
22 about the same frequency in China as it does in the
23 United States.

24 However, in his heavily benzene-exposed
25 group, Dr. Irons, rather -- I think I may have said Wong

1 previously -- but what Dr. Irons found was that not a
2 single patient had the 20q minus deletion. So the fact
3 that he has the 20q minus deletion does not support
4 benzene as a cause because it doesn't seem to appear
5 either in the literature as a consequence of benzene or
6 in a study, an epidemiologic study, if you will, of
7 people with heavy benzene exposure. It's not a
8 phenomenon that's been identified.

9 So, again, we're looking at things that
10 are likely and to me he's got not an impossible
11 morphology for benzene, but he isn't have the ideal
12 morphology for benzene. He has a very low benzene
13 exposure, lower than what the literature tells us can
14 cause myelodysplasia. He has the wrong chromosome for a
15 benzene-induced myelodysplasia having the 20q
16 chromosome. And the general dogma in the literature is
17 that when you're looking at benzene-induced hematologic
18 disease, in general it's a very rare phenomenon. So
19 were this disease to be from benzene, you would be
20 talking about an extraordinarily rare manifestation of
21 an extraordinarily rare illness in the United States.
22 So, therefore, I would have to say in all medical
23 probability this is not likely to be a benzene-induced
24 illness and is likely to be de novo, granting the fact
25 that we don't have a biochemical test that we can apply

1 at this time to people with myelodysplasia to prove or
2 disprove a particular etiology.

3 Q Have you finished your answer on your
4 methodology?

5 A That's my methodology, yes.

6 Q Let's go back --

7 A Okay.

8 Q -- because you gave us more than a mouthful,
9 fair?

10 A Absolutely, yeah.

11 Q I think you said that roughly 80 to 85 percent
12 of the MDSs are de novo?

13 A Yes.

14 Q Did I hear you correctly?

15 A Yes.

16 Q You brought with you a stack of studies?

17 A Yes.

18 Q Are there studies that you're relying upon that
19 have determined the percentage of MDSs that are de novo
20 versus secondary?

21 A Yes.

22 Q Can you pull those, please?

23 A Many of these articles touch on that, but I can
24 pick some specifically. Let's just take this one, for
25 instance. This is an article that's published in the

1 yearbook of the American Society of Hematology. So this
2 is what the American Society of Hematology is educating
3 people about, hematologists and oncologists around the
4 country, and it's written by several experts in the
5 field. And this article says: At present, 80 to 90
6 percent of patients with myelodysplasia or AML diagnosed
7 at major centers are de novo cases where --

8 Q How did they determine that?

9 A Pardon me?

10 Q How did they determine that?

11 A By the skill of the doctors who examined and
12 questioned these patients and taking into consideration
13 all other aspects of the disease, the morphology, the
14 chromosomes, their employment, the pace of the disease,
15 many other things.

16 Q Let's mark that as Exhibit 3 just so you and I
17 are having a clear record.

18 (Exhibit 3 marked)

19 Q (By Mr. Lubel) The article you're referring to
20 I've put an Exhibit 3 sticker on it, correct?

21 A Yes.

22 Q And so is this a review article, or is this an
23 actual study of a population?

24 A This is a review article by a person who has
25 studied for many years many populations.

1 Q Are you able to tell us from looking at this
2 review how the authors calculated the 80 to 90 percent?

3 A No.

4 Q Do they -- do they set forth their methodology
5 and the population that they studied?

6 A No.

7 Q Do you have any studies that set out the
8 methodology and provide us with details of how this 80
9 to 90 percent are de novo?

10 A I think the closest thing to that might be
11 Dr. Irons' work because, as he explains it, what he did
12 in his Chinese studies are that everything was blinded.
13 In other words, one group received slides, had no
14 clinical information, and they made a diagnosis based on
15 the slides. Another group did the chromosome analysis,
16 blind, and had no information about the clinical nature
17 of the disease. Another group, blind, questioned the
18 patients in detail about their occupation and their
19 exposures and came to a conclusion whether they were or
20 were not exposed. And then they put that material
21 together in their population. And so he has a
22 nonexposed or a de novo group, and he has what he thinks
23 is a benzene-related group.

24 Q Can you pull out the literature you're
25 referring to?

1 A Let's see where that might be. Let me find my
2 report.

3 MR. HUMPHREY: Actually, I think Lance
4 took your copy of the report.

5 THE WITNESS: Oh, he took my copy of the
6 report. That's why -- eventually I can find it in here.
7 Let's see. Let me just see what number that is.

8 A Yes, this is a preprint or it's an article in
9 press, and I think it's one of several that Dr. Irons
10 has written concerning this issue. I'll put that on
11 there.

12 (Exhibit 4 marked)

13 Q (By Mr. Lubel) That's Exhibit 4, correct?

14 A That is Exhibit 4.

15 Q So how many patients with MDS was Dr. Irons
16 studying?

17 A 649 cases. And he alleges that this is the
18 largest number of cases ever studied from a single
19 center.

20 Q What center was it?

21 A It's what's called the Fudan Clinical Pathogen
22 Clinical and Molecular Research Center, Institutes of
23 Biomedical Sciences, Fudan University, Shanghai, China.

24 Q Now, what information did Dr. Irons gather on
25 the 600 something MDS people?

1 A Well, let's see what he says. He studied their
2 bone marrow and applied the criteria we've talked about,
3 the WHO 2008 criteria, to make a morphologic diagnosis.
4 The subjects were administered questionnaires by a
5 certain group and information on previous disease work
6 histories and exposures to potential etiologic agents
7 such as benzene, and a total of 80 of 649 cases were
8 determined to have some benzene exposure. He then
9 further subdivided that, and I think that's discussed in
10 the paper, as to what their exposures were. Then he
11 studied their cytogenetics, their chromosome analysis.
12 And then, to be as specific as he could about what
13 benzene did to the bone marrow, he took the cases who
14 had the highest benzene exposures, and, as he described
15 them to me, these were sometimes as much as 5,000
16 cumulative part per million exposures.

17 Q Can I stop you real quick?

18 A Yeah.

19 Q How many of the -- was it 649...

20 A Yes.

21 Q ...did you say had documented exposures to
22 benzene?

23 A By questionnaire 80 of the 649 were determined
24 to have some benzene exposure is what he says.

25 Q So what percentage is that roughly, 20 percent?

1 A No, 13.2 percent.

2 Q 13.2 percent?

3 A Uh-huh.

4 Q Now, how many of the 649 patients with MDS had
5 previous exposure to chemotherapy treatment?

6 A I don't know that.

7 Q Does he say?

8 A He doesn't specifically say that in the
9 article.

10 Q How many of those patients had had preexisting
11 or previous exposure to radiation?

12 A I don't know that.

13 Q How many were smokers?

14 A I don't -- he states subjects were administered
15 questionnaires and information on previous disease, work
16 histories and exposures to potential etiologic agents he
17 says such as benzene. I would have to assume that he's
18 asking them other things, radiation, chemotherapy and
19 smoking, but I don't know that.

20 Q Does he give us the data on how many are
21 chemotherapy, how many are radiation, how many are
22 smoking, et cetera?

23 A I don't see that in there.

24 Q I mean, Dr. Natelson, if one were to from that
25 one population of patients try to evaluate and express

1 an opinion as to how many are secondary versus how many
2 are de novo using your definitions --

3 A Yes.

4 Q -- wouldn't you need to know how many had
5 preexisting or previous chemotherapy, radiation, smoking
6 histories, benzene exposures, et cetera?

7 A Well, he's telling us there are benzene
8 exposures. The purpose of this paper is to isolate the
9 patients with benzene exposure, then grade their benzene
10 exposure by degree and then isolate what are the
11 features of that illness.

12 Q I'm asking you a different question, though.
13 I'm not disagreeing with what you just said. My point
14 is if you're trying to evaluate how many of the patients
15 are de novo versus secondary, irrespective of what the
16 secondary cause is, don't you need to know in the
17 secondary category the data that backs up how many have
18 had the exposures whether it be to chemotherapy or
19 radiation or smoking?

20 A Yes, I understand that. But I don't know that
21 he doesn't have that data. He's simply presenting a
22 snapshot of this, and the paper is designed looking at
23 specifically the characteristics of benzene exposure.
24 He may tomorrow decide to publish a paper about what
25 you're asking.

1 Q But what I'm asking --

2 A But I'm saying --

3 Q -- is not addressed.

4 A Yeah, it's not addressed.

5 Q Right?

6 A Correct, yeah.

7 Q I'm just saying the question I'm asking of
8 doing the count or looking at the percentages of de novo
9 versus secondary, you don't see the data in that paper?
10 That's not to say it doesn't exist, you just don't have
11 it there to do the math, correct?

12 A That's correct.

13 Q Do you have any studies that actually do the
14 math versus just make the conclusion?

15 A I don't think that I have anything like that in
16 this -- in this -- these groups of papers.

17 Q You understand what I'm asking? I'm saying
18 there's -- review articles frequently make conclusions,
19 right?

20 A Yes.

21 Q And then they're supposed to cite to support or
22 they often do, correct?

23 A Yes.

24 Q This 80 to 90 percent figure that you've
25 referred to for MDS patients being de novo, that solely

1 comes from review articles, correct?

2 MR. HUMPHREY: Objection. Form.

3 A Yes and no. In other words, there is one
4 article I don't think I cite among these. There's one
5 by Mauritzson which specifically was published in
6 leukemia perhaps six or seven years ago in which he
7 looks at something like 5,000 cases of AML and
8 classifies them into de novo/secondary and then also the
9 MDS into de novo/secondary, and he has the chromosome
10 defects they have. In other words, it's an abstract of
11 what's in the literature in terms of frequency based on
12 real cases that have been reported.

13 Q (By Mr. Lubel) Well, do you have that article
14 with you?

15 A No.

16 Q Okay. What's the name of it?

17 A It's called -- it's spelled by the last name
18 M-a-u-r-i-t-z-e-n, that's close, and it's a well-known
19 paper. I've cited it in previous articles, but I
20 didn't -- I didn't choose to site every article I have
21 in my file.

22 Q And is it your recollection that that article
23 breaks down the methodology that was used to determine
24 whether they were de novo or secondary?

25 A Oh, I see what you're saying. No, it doesn't

1 go into that. There are limitations in what one can put
2 in an article. This is -- he's abstracting the
3 literature based on cases that have been reported, and
4 he's taking the word for the doctor as to how they made
5 that determination.

6 Q It's a review, it's not a study?

7 A I would call it a study. He's got a large
8 number of cases. He's breaking them down by many
9 different features.

10 Q Does he have the cases, or is he looking at
11 articles that talk about the cases?

12 A He's deriving the cases from published
13 articles.

14 Q And so you're saying if we looked at the
15 articles -- have you looked at the articles or
16 provided --

17 A His primary source material, no.

18 Q Are you --

19 A See, some of these come from articles, some of
20 these cases are taken from large leukemia groups like
21 the old Southwest Cancer Chemotherapy Group that
22 M.D. Anderson was a participant in, and there are many
23 cancer groups around the country that abstract this
24 data.

25 Q Go back to Dr. Irons' article. We've marked

1 that as Exhibit 4, correct?

2 A Yes.

3 Q Does Dr. Irons, based upon the 649 MDS patients
4 that he claims to have studied, right...

5 A Yes.

6 Q ...does he set forth the percentage of de novo
7 versus secondary in there?

8 A No.

9 Q Does he do that analysis, as far as you can
10 tell, from reading the article?

11 A I can't tell -- he doesn't address that
12 analysis in this article.

13 Q Now, if you come to my office and examine and
14 do questionnaires and look at medical records on, let's
15 say, the 30 people I've got working for me and one has
16 leukemia and they have no history of benzene exposure,
17 they have no preexisting chemo, radiation, weren't a
18 smoker, you can't find a secondary cause -- are you with
19 me so far...

20 A Yes.

21 Q ...you're not going to be able to conclude from
22 looking at that group that a hundred percent of people
23 with leukemia are de novo, correct?

24 A I think somehow you lost me in the questioning.
25 You've got one patient in your office who's got AML, and

1 they have no history of any potential preceding cause?

2 Q Correct.

3 A And then I got lost as to what you're asking.

4 Q So they're de novo, right?

5 A If I have a patient with an acute leukemia and
6 I've questioned them and they have all the features of
7 de novo leukemia, it is de novo leukemia.

8 Q A hundred percent --

9 A Well, in my judgment --

10 Q -- of that population? A hundred percent of
11 that population?

12 A Of that single patient?

13 Q Right.

14 A Yes.

15 Q And so the population or the group that's being
16 studied is pretty important, right, as far as
17 extrapolating from that statistic and saying this
18 applies all places for all times, true?

19 MR. HUMPHREY: Objection. Form.

20 A It depends on the study. In other words, this
21 is a study of a large number of people referred because
22 they have a diagnosis.

23 Q (By Mr. Lubel) Whose study are you referring
24 to?

25 A Dr. Irons in this case. I thought you were

1 asking me about this one.

2 Q I'm talking about generally now, see, because
3 you've already agreed that Dr. Irons doesn't set forth
4 specifically the breakdown between de novos versus
5 secondaries.

6 A He doesn't --

7 MR. HUMPHREY: Objection. Form.

8 A -- record that in this article.

9 Q (By Mr. Lubel) I'm not saying it wasn't done,
10 it's just we can't tell?

11 A Correct.

12 MR. HUMPHREY: Objection. Form.

13 Q (By Mr. Lubel) You can't look at it and give
14 me an answer to that question, right?

15 A Correct.

16 Q Likewise, you don't see backup data for you to
17 do the math yourself in the article?

18 A Correct.

19 Q In other words, you can't tell me how many of
20 those patients had preceding or previous chemotherapy
21 treatment?

22 A That's correct.

23 Q Without that type of information, you can't do
24 a percentage or mathematical breakdown of de novo versus
25 secondary, correct?

1 A Correct.

2 Q Not to say Dr. Irons hadn't done it, it's just
3 we don't have the information to know whether it's been
4 done or not, correct?

5 A Correct.

6 Q You don't have any articles with you that set
7 forth that level of detail, correct?

8 MR. HUMPHREY: Objection. Form.

9 A I'd have to look, but I think I have. In other
10 words, when you have an expert giving a number like that
11 who has published numerous papers on this subject
12 abstracting such patients, I don't have the primary
13 source material, but I have the answer.

14 Q (By Mr. Lubel) Okay. Well, so when -- you're
15 referring to Exhibit 3?

16 A I'm referring to Exhibit 3, yes.

17 Q All right. So how many of these author's
18 patients --

19 A Yes.

20 Q -- with MDS --

21 A Yes.

22 Q -- have had benzene exposure?

23 A Well, certainly the -- since he's fully aware
24 that benzene causes AML, when he tells us that 80 to
25 90 percent are de novo cases, those are cases that he

1 doesn't feel they had any significant benzene exposure
2 because if he did he'd call them secondary.

3 Q How many? How many of his patients have had
4 benzene exposure?

5 A Of what degree?

6 Q Occupational setting.

7 A I don't know.

8 Q Does he say?

9 A He doesn't say.

10 Q How many of his patients have had chemotherapy
11 or radiation treatment before MDS was diagnosed?

12 A It would be some of the 10 to 20 percent.

13 Q How many?

14 A Somewhere between -- he says, whereas, 10 to
15 20 percent represent therapy related. So somewhere
16 between 10 and 20 percent of his overall numbers are
17 people who have had chemotherapy.

18 Q What's his overall number of people with MDS?
19 Does he say? Did the author say in this study?

20 A Oh, he's not giving a number, no, in that
21 study.

22 Q Does he give it anywhere how many patients he's
23 treated with MDS or seen?

24 MR. HUMPHREY: Objection. Form.

25 A Not in the article.

1 Q (By Mr. Lubel) Do we know the raw numbers as
2 to the number of patients he's seen that have had
3 previous chemotherapy or radiation treatment?

4 A Well, he has some 44 references to what he
5 says, and if one were to abstract these 44 papers, it's
6 likely that you would find some of that information at
7 least in some of these papers. He's giving a summary of
8 his many years of experience in this field.

9 Q Okay. And so how many patients have these
10 authors treated that had occupational settings in
11 benzene?

12 A I don't know.

13 Q Do you know anything about the type of patients
14 he treats --

15 MR. HUMPHREY: Objection. Form.

16 A No.

17 Q (By Mr. Lubel) -- as far as their occupations?

18 A I don't know.

19 Q Is it reported in the study?

20 A No.

21 MR. HUMPHREY: When you get to a stopping
22 place, Lance --

23 MR. LUBEL: Yes.

24 MR. HUMPHREY: -- can we just get up and
25 stretch our legs for a minute.

1 MR. LUBEL: Yeah, I need to get some
2 water.

3 (Recess from 2:37 p.m. to 2:42 p.m.)

4 Q (By Mr. Lubel) Earlier in your deposition you
5 told us that there's typically a pattern that is seen in
6 the morphology of benzene-induced disease, correct?

7 A Yes.

8 Q I take it you're referring to either MDS or
9 leukemia?

10 A MDS.

11 Q MDS. The first one that I wrote down was
12 hypocellularity.

13 A Yes.

14 Q Can you do your best and describe that in
15 layman's terms?

16 A Yes. Normally when you look at a bone marrow
17 and you look at the bone marrow biopsy, would be the
18 best way to tell the cellularity, what you see is about
19 anywhere from, say, 50 to 60 percent cells, and the rest
20 is fat. So that would be a 60 percent cellular bone
21 marrow. In a patient who has a florid leukemia, the fat
22 may be totally driven out, and we have a hundred percent
23 cellular bone marrow which is referred to as a packed
24 marrow. In most people with myelodysplasia, their
25 cellularity is normal, so they have about a 40 to, say,

1 60 percent cellular marrow. In the case of a
2 hypocellular marrow, it's typically less than
3 20 percent. And so --

4 Q Less than 20 percent what?

5 A Less than 20 percent cells and 80 percent fat,
6 if you will. So that would be a hypocellular marrow, a
7 reduction in the number of cells per square inch.

8 Q Well, let's take the Dr. Irons' article that
9 you've referenced.

10 A Yes.

11 Q I think it's Exhibit 4.

12 A Yes.

13 Q For the 649 MDS patients that he was studying,
14 how many of those were hypocellular using your
15 definition?

16 A He doesn't tell us that information.

17 Q Does he in any -- strike that.

18 Do any of the literature that you have
19 with you address that as far as examining percentages
20 for hypocellularity, looking at patients and determining
21 how many are hypocellular versus hyper?

22 A Well, there are discussions in here. I don't
23 know that there's anybody who looks at a percentage.
24 There are several papers in here that point out that
25 hypocellularity is common among chemical-induced

1 leukemias and less common among -- I mean
2 chemical-induced myelodysplasia and less common among
3 de novo myelodysplasia.

4 And the other aspect of that is that we
5 talked about classification. And one of the
6 classification of myelodysplasia is myelodysplasia-U,
7 meaning we can't fit it into any nice box. And in
8 chemical-induced myelodysplasia, from our chemotherapy
9 drugs and from benzene, they often fit into the U
10 category. In other words, they don't neatly lend
11 themselves to the classification we use for de novo
12 disease.

13 Q I thought you told us earlier that in
14 Dr. Irons' article that we marked as Exhibit 4 that
15 approximately 80 of the 649 patients with MDS had some
16 exposure to benzene?

17 A Yes.

18 Q How many of the 80 had hypocellularity using
19 your definition?

20 A I don't know that because he graded the benzene
21 exposure from trivial numbers to higher numbers, and he
22 focused on the people with unequivocal, what he called
23 his index cases, who had unequivocal extremely high
24 levels in myelodysplasia.

25 Q How many people fit into that category of

1 unequivocal high levels of exposure to benzene?

2 A I think he has 23 patients in here.

3 Q And out of the 23, how many of them were
4 hypocellular?

5 A Let's see what he says. Let's see if I can
6 find his tables here.

7 MR. LUBEL: We're going to go off the
8 record while he finds it.

9 (Recess from 2:47 p.m. to 2:47 p.m.)

10 A He says that he's got 14, or 61 percent of
11 them, that are hypocellular.

12 Q (By Mr. Lubel) I misunderstood.

13 A 14 or 61 percent that are hypocellular, he's
14 got 7 that are 30 -- that makes up 30 percent that are
15 normal in cellularity, and he has 2, or 8.6 percent,
16 that have an increase in cellularity. So that what he
17 is telling us I think is what I said, that more
18 frequently in a chemical-induced bone marrow or a
19 benzene bone marrow you see hypocellularity. It's not a
20 universal feature, it's a common feature.

21 Q So out of the 23 benzene-induced MDSS in
22 Dr. Irons' article, approximately 61 percent were
23 hypocellular using your definition?

24 A Yes.

25 Q Seven were normal?

1 A Yes.

2 Q Two had an increase. Is that hyper --

3 A Yes.

4 Q -- cellular?

5 A You have an increase, yes.

6 Q Instead of hypo it's hypercellular?

7 A Hyper, that's correct.

8 Q And that gives us 23 if we do the math, 14 plus
9 7 plus 2, correct?

10 A Yes.

11 Q How many had an increase in the eosinophils?

12 A He has 21 of 29 or 72 percent.

13 Q 21 of 29 of the benzene-induced MDSs?

14 A Yes.

15 Q 72 percent?

16 A Yes.

17 Q And are we able to match up of those 21 of 29
18 how many of those also were hypocellular?

19 A He doesn't show that in the -- I don't --

20 Q You understand I'm looking for the overlap?

21 A I understand you're thinking looking to see who
22 had both features. I don't -- let's see if I can figure
23 that out.

24 Q We'll go off record while you look at it.

25 A I don't see that in these tables that he has

1 this. I mean, he's this compared to his controls, but
2 he doesn't give the -- he doesn't give a table of who
3 had both.

4 Q Now, how many had ringed sideroblast?

5 A None. Oh, among the patients, none.

6 Q Among the benzene-induced MDSs, none?

7 A Yes, none.

8 Q I wrote down scavengers because the word you
9 used was so complicated to spell. What do we call it?

10 A Let's see if he puts this in here.

11 Q What was that area? Just refresh my
12 recollection.

13 A Histiocytes. Let's see how he describes it in
14 here.

15 MR. LUBEL: Off the record.

16 A He says, "The predominant pattern of bone
17 marrow pathology in benzene exposed MDS cases; namely,
18 multilineage dysplasia, meaning that the cells look
19 funny in all three cell lines, the red cells, the white
20 cells and the platelets; abnormal eosinophils,
21 hematophagocytosis. That's
22 h-e-m-a-t-o-p-h-a-g-o-c-y-t-o-s-i-s.

23 Q (By Mr. Lubel) Does that last term refer to
24 the scavengers you were talking about earlier?

25 A Yeah, they're phagocytizing or eating, good

1 cells eating the bad cells. You could put it that way.

2 Q They're like the infantry in the immune system,
3 correct?

4 A Yeah.

5 Q First line of defense?

6 A Well, yeah, in some respects, yes.

7 Q So how many of the benzene-induced MDS patients
8 that are referred to by Dr. Irons in Exhibit 4, that
9 article, have the phagocytes?

10 A Phagocytes. He doesn't say. He doesn't give
11 that in his table.

12 Q How many had abnormal chromosomes?

13 A He does have that in here. He has -- let's see
14 how many. He has -- that was the interesting feature of
15 his article because he didn't have large numbers of them
16 with abnormal chromosomes. The frequency of clonal
17 cytogenetic abnormalities -- let's see. Wait a minute
18 here. 30 percent. Let's see. Oh, no. Benzene, excuse
19 me, it was 24 percent.

20 Q Of the benzene-induced MDS?

21 A Yes.

22 Q 24 percent had abnormal chromosomes?

23 A Yes. He says the frequency of clonal
24 cytogenetic abnormalities in all MDS was 30 percent, the
25 most common being Trisomy 8. The next common most was

1 this deletion 20q, and in the analogous frequency in
2 benzene exposed cases was 24 percent. So I take it that
3 he found 24 percent of his heavily benzene exposed
4 patients had chromosome aberrations.

5 Q Does he set out which particular chromosome
6 defects or abnormalities are present in that 24 percent?

7 A Yes. I think he says Trisomy 8 is the most
8 common and the next is minus seven.

9 Q Does he have any other listing of what
10 particular chromosome abnormalities he found? In other
11 words, does he give you the raw data as opposed to just
12 the conclusion?

13 A Yes. Whoops. Well, that's the over -- that's
14 the overall group, the 649. Let's see if we can find
15 benzene exposed group.

16 MR. LUBEL: We're off the record.

17 (Discussion off the record)

18 MR. LUBEL: Back on the record.

19 Q (By Mr. Lubel) From looking at both Exhibit 4,
20 that Irons article from 2009 and the 2005 version that
21 you brought with you, you did not see any data that
22 reflects a breakdown of out of the MDSs that were
23 thought to be benzene induced, what their particular
24 chromosome defects were, correct?

25 A That's correct.

1 Q Do you have any literature with you today that
2 you're relying upon that sets forth the particular
3 chromosome defects that are necessary in order for an
4 MDS to be benzene-induced?

5 A Well, I don't know that there are chromosome
6 aberrations that would be necessary.

7 Q And, in fact, you've testified previously that
8 it's not a prerequisite to be benzene-induced MDS for
9 there to, in fact, have been any chromosome abnormality,
10 correct?

11 A That's correct.

12 Q It's one of the clues that you're looking for?

13 A It's simply a clue. You're trying to make a
14 judgment on what is probable and what's not probable, as
15 we do in many diseases. And the chromosomes are one
16 aspect of that.

17 Q And you've previously told us that having
18 chromosome abnormalities puts it in a more likely
19 category, everything else being equal, correct, versus
20 not having it?

21 A Versus not having them. Well, I would say that
22 having them, many people as they get older they have
23 them. So having them certainly doesn't prove that one
24 has it from benzene. It's almost in the reverse. Not
25 having them is probably more significant than having

1 them, in a way.

2 Q Which way does it cut, not having them?

3 A In other words, if we say that -- if the
4 articles are correct here -- that 80 to 90 percent of
5 people that have a chemical-induced leukemia or
6 myelodysplasia have a chromosome defect, then not having
7 one becomes very significant because that's a one in ten
8 phenomenon or so. But having one can be -- and it also
9 depends on what it is. Having one can either be seen in
10 de novo disease or benzene-induced disease.

11 Q And just from looking at Exhibit 4, that 2009
12 article by Dr. Irons, the fact that greater than
13 75 percent of the benzene-induced MDS did not have any
14 chromosome abnormality tells us something, correct?

15 A It does, yes.

16 Q Those statistics are exactly the opposite of
17 what you've seen in other literature where they say in
18 benzene-induced MDS or AML you expect to see most of
19 them having some chromosome abnormality, correct?

20 MR. HUMPHREY: Objection. Form.

21 A That's true.

22 Q (By Mr. Lubel) So Irons data, if you will, is
23 opposite other data that you've seen, true?

24 A Yes.

25 Q And by "opposite," I mean it kind of reverses

1 the percentages the other way?

2 A It's a bit of a paradigm shift, you might say,
3 in thinking, but one has to keep in mind that this is in
4 a purely Chinese population. And another article I have
5 referencing here suggests that chromosome aberrations
6 among the Chinese are somewhat different than they are
7 among what we would see in a Western civilization.

8 Q But given your many years of opinions on the
9 record that there is not a prerequisite chromosome
10 abnormality that is required in order for a cancer to be
11 benzene induced, it wouldn't surprise you that Irons
12 data that greater than 75 percent of them had no
13 chromosome abnormality whatsoever?

14 A It surprised me based on the sum and substance
15 of our medical literature, and Dr. Irons says it
16 surprised him. He didn't feel that he was going to find
17 that, but that's what he found and that's what he's
18 reporting. And it leads him to think that
19 benzene-induced leukemia is an unusual phenomenon and
20 that it may be, in part, an inflammatory illness.

21 Q Which means what?

22 A Well, which means what? Which means this
23 that --

24 Q Is that why you see different patterns?

25 A Well, I don't know. In other words, one theory

1 about this -- and many people have said the same thing
2 is so, and we know it actually is so -- is that given a
3 patient who comes in with myelodysplasia and no
4 chromosomal abnormalities doesn't mean that they won't
5 beget those chromosomal abnormalities as you continue to
6 follow them over time. And the question is the when did
7 you catch the disease? And what Irons is suggesting, I
8 think, in part that he may see a person with an
9 inflammatory type of bone marrow from benzene, and as
10 that patient is followed over a number of years, they
11 may develop chromosome aberrations suggesting that the
12 chromosomal aberrations are not the cause of the
13 disease. They're a secondary phenomenon. And that's an
14 interesting thought, but actually borne out because even
15 in Chromosome 20 disease, we see some patients that
16 didn't have it and then they develop it as the
17 myelodysplasia is followed. So it may well be that the
18 chromosome in all cases isn't associated with the
19 causation. It's a secondary phenomenon.

20 Q That means we just don't know right now?

21 A Well, he's opened up a paradigm shift and if
22 what he finds can be reproduced. And one of the
23 difficulties there is there aren't very many people who
24 are swimming in vats of benzene these days. There
25 certainly are in China. So he has a very unique

1 population to study there, and some of this work, unless
2 the Chinese decide to do it or other investigators do,
3 is really going to be difficult to, let's say, duplicate
4 in this country, for example.

5 Q What are the main populations that have studied
6 for exposures to benzene? We have Pliofilm, correct?

7 A Pliofilm is one. That's a series of about 1800
8 of people or so.

9 Q We've got the population that Hayes and Yin are
10 studying over in China?

11 A Yes.

12 Q We've got Dr. Irons study population in China?

13 A Yes.

14 Q We have Aksoy's Turkish shoe workers, correct?

15 A Yes.

16 Q Who else do we have?

17 A Well, the ones that you're talking about that
18 you mentioned are people who have had very heavy,
19 presumably very heavy benzene exposures. There are
20 several. I've got references here to several articles
21 of highly exposed populations, a more modern population
22 of shoe workers, some chemical called caprolactam or
23 something close to that that's been studied. There are
24 other populations out there that have been studied with
25 high dose benzene.

1 Q Let's go through each of them, and let's talk
2 about the pattern that you say is typically seen. We've
3 already talked about Dr. Irons?

4 A Yes.

5 Q He's China group, right?

6 A Yes.

7 Q Let's talk about the Hayes and Yin population?

8 A Okay.

9 Q Do you recall whether any of their studies
10 refer to the pattern or the details of the pattern, such
11 as hypocellularity, the chromosome defects, things of
12 that nature?

13 A Well, the cellularity, yes. Dr. Richard Larson
14 has commented on that. And if I can find a reference
15 list. This is my Reference No. 58, which is by the
16 Hayes group, and they make a comment here, "Overall
17 findings for benzene-exposed workers with
18 myelodysplastic syndromes included bone marrow
19 hypocellularity and marked dyserythropoietic features
20 such as multinuclearity, megaloblastoid changes,
21 impaired hemoglobinization, abnormal nuclear shape."
22 And so he also comments about the hypocellularity aspect
23 in the based benzene study.

24 Q Is that morphology pattern identical to what
25 you've described today?

1 A I think so, yes. That's what Irons is saying
2 that there's marked dyserythropoiesis. And, in fact, he
3 shows pictures of abnormal red blood cell precursors.

4 Q And so what does that article tell us about the
5 chromosome abnormalities?

6 A This particular one -- relatively few of these
7 people had chromosome analyses done, and in the Hayes
8 study, so that I don't know that it tells us a lot about
9 that issue. The same would be true in the Aksoy studies
10 and the Pliofilm studies.

11 Q They didn't break down the chromosome
12 abnormalities that were found in the people with
13 benzene-induced malignancies.

14 A No, because in the case of the Aksoy studies
15 and the Pliofilm studies, these were in an era where
16 chromosome analysis with what's called banding
17 technique, which was an accurate technique, had not been
18 developed. And so I would suspect that very few of
19 those patients had chromosome analysis.

20 Q Do you know as you sit here if any of them did?

21 A I don't know that any of them had it in the
22 Pliofilm study and Dr. Aksoy's work was mainly in the
23 Seventies and banding, I don't believe, was developed
24 into the Eighties. So he couldn't have had accurate
25 chromosome studies. I don't -- and he doesn't in any of

1 his articles discuss their chromosomes.

2 Q Did any the literature -- for instance, the
3 Larson article that you referred to --

4 A Yes.

5 Q -- that's part of the Hayes and Yin --

6 A Yes.

7 Q -- series of articles on China?

8 A Yes.

9 Q And benzene?

10 A Yes.

11 Q Do they give us the raw data on
12 hypocellularity?

13 A No, because they only have a small number of
14 people with myelodysplasia. I think that they claimed
15 about maybe perhaps seven patients, and I'm not certain
16 that they had bone marrows on all seven. So you're
17 looking at a small number of patients, not as many
18 patients as Irons study.

19 Q Do they tell us whether there's an increase in
20 the eosinophils?

21 A They do not.

22 Q Do they make a reference to whether there's
23 ringed sideroblast or not?

24 A They do not.

25 Q How about the histiocytes or the scavengers, do

1 they make reference to that?

2 A No.

3 Q Have you brought with you the literature that
4 you're relying upon regarding this pattern of morphology
5 that you've talked about today?

6 A Yes.

7 Q Okay. Have you discussed it with us today, or
8 do you have more in your stack?

9 A No, I have more.

10 Q If you would just pull that out for us.

11 A This is an article, my Reference 59.

12 Q If you would just pull out the articles you're
13 relying upon, and we'll mark them.

14 A I think those three articles. We have
15 discussed those two.

16 Q We'll mark those three articles as Exhibit 5.

17 (Exhibit 5 marked)

18 Q (By Mr. Lubel) In all three of these articles
19 that we've marked as Exhibit 5, are they discussing MDS
20 patients?

21 A I think so. The Ruiz article, I don't know
22 that he uses the term MDS, but these are people who had
23 pancytopenias and abnormal blood counts from chronic
24 exposure to benzene. And so he's showing us what the
25 bone marrow looks like in people who have chronic

1 benzene exposure. And he had a large number of cases,
2 but he did bone marrows on 33 of them. So he had a
3 substantial number of people that he studied, and in
4 what he tells us is that eosinophils were increased
5 above 3 percent in 21 patients or 63 percent. So he's
6 finding things similar to what Irons is claiming. And
7 he says in none of the patients ringed sideroblasts were
8 observed, which is the same as what Irons find. And he
9 says global hypoplasia, meaning that there was reduced
10 cells, was observed in 82 percent of the cases in
11 various degrees. So he is finding pretty much the same
12 thing as the other people find.

13 He also points out that they have abnormal
14 morphology among the red cell and other precursors. So
15 he's describing myelodysplasia without putting the name
16 on it.

17 Q But are you suggesting that all three of those
18 articles have identical findings from a morphology
19 standpoint?

20 A Very similar findings.

21 Q Are they identical?

22 A Well, they're identical in that they find an
23 absence of ringed sideroblast. They're identical in
24 they find a strong frequency of hypocellularity.
25 They're identical in that they find an unusual increase

1 in eosinophilia. And they're all identical in that they
2 find abnormal morphology among the myeloid cells.

3 Q How are they different?

4 A They aren't different.

5 Q So they're identical?

6 A Well, I mean, they're done under different
7 conditions. In other words, they're done by different
8 investigators, different conditions, but they're
9 considerably similar, I would say. The percentages are
10 not identical. They're similar.

11 Q Well, we know that Dr. Irons, the subset of the
12 population that he's referring of MDS, he believes are
13 benzene induced, correct?

14 A Yes.

15 Q Is that also true for Ruiz and Linet? I
16 thought you called it Larson earlier but...

17 A Well, he's the guy, I think, that looked at all
18 the cells.

19 Q Okay.

20 A He's the hemology who's in that group from the
21 University of Chicago.

22 Q Are all three of the articles focused on MDS
23 patients that they believe are benzene induced?

24 A Yes, in different ways. In other words, in
25 Irons' case and in Ruiz' case, they feel that they know

1 their patients were benzene induced because they have
2 the evidence. In the case of Ruiz, there was a broken
3 pipe in this factory, and all these people were sniffing
4 giant doses of benzene every day for months and months.
5 And so those are pretty clear that they were benzene
6 induced, and he had a lot of them.

7 In the case of the Linet study or the
8 Hayes study, this is a group that they feel are benzene
9 exposed and -- but, you know, like the Pliofilm group,
10 if you're taking a collection of people and saying I've
11 got an increase in leukemia, there is some background,
12 in other words. And it's hard to tell, if they have
13 seven patients with myelodysplasia, to tell which one
14 was the de novo one, which one was the benzene one.
15 They're inferring that among that group, there's an
16 increased incidence of myelodysplasia from benzene, but
17 they can't put a one-on-one designation for every one of
18 those samples that they looked at, where in the case of
19 Ruiz you can because they all were poisoned. So Ruiz'
20 and Irons' I think are a little more specific than the
21 Hayes studies, but in general they have similar
22 findings.

23 Q What difference does the level of exposures
24 have on the morphological patterns?

25 A I don't know the answer to that. In other

1 words, what's being suggested here is whatever that
2 level exposure was, it was high enough to cause
3 considerable change in the normal marrow, and presumably
4 they were very high levels. Dr. Irons suggests his
5 people had enormous levels. Dr. Ruiz' people probably
6 had enormous levels. A lot of people dispute what
7 levels there were in the Hayes study, but they were
8 likely high.

9 Q I mean, have you seen -- we know that not all
10 of the benzene-induced malignancies have a chromosome
11 defect?

12 A Correct.

13 Q And out of the ones that do have any chromosome
14 defect, they're not necessarily the same defect?

15 A Correct.

16 Q And so we're not seeing a pattern that is
17 identical? In other words, there's not a fingerprint
18 that tells us each and every time?

19 A That's correct.

20 Q And so what I'm trying to figure out is whether
21 you've seen evidence that the exposure level changes the
22 morphology?

23 A Well, presumably these 33 people he studied
24 were perfectly normal before they got their exposure. I
25 mean, these were all healthy factory workers who got

1 poisoned. And there were many more than 33, but he
2 picked 33 who had the worst blood counts to do bone
3 marrows on.

4 Q Do we know whether the exposure level can
5 affect the outcome of whether somebody has a chromosome
6 defect versus whether they don't?

7 A Ah, I see what you're saying. I would say no,
8 we don't know that.

9 Q Do we know whether -- it's the same line of
10 questions -- whether the benzene exposure can affect
11 whether it's hypocellular or the degree of
12 hypocellularity?

13 MR. HUMPHREY: Form.

14 A Well, presumably very high levels can cause
15 hypocellularity. More subtle levels, we don't know. In
16 other words, we just know what the extremes are.

17 Q (By Mr. Lubel) Okay. So we haven't seen these
18 studies on the lower levels and the affect on
19 hypocellularity?

20 A No, not of what I have referenced here.

21 Q But have you seen such studies?

22 A No, I've seen studies looking at the blood cell
23 counts, the peripheral blood cell counts of patients
24 alleged to be exposed, but not bone marrow analysis.

25 Q Do you have an opinion as to what exposure

1 level it takes to cause hypocellularity?

2 A Not a number. I couldn't put a number on it
3 that.

4 Q How about an increase in eosinophils?

5 A The same thing.

6 Q Does the exposure level, if you know, determine
7 whether there's ringed sideroblasts or not?

8 A Well, all we can say is that the -- none of the
9 exposed patients have ever had any ringed sideroblasts,
10 regardless of what their levels are. And so we would
11 have to say there's no evidence that benzene exposure
12 can cause ringed sideroblast.

13 Q You're saying there's been studies done as to
14 whether benzene exposure can cause ringed sideroblast?

15 A Yeah. Ruiz did it. He specifically looked for
16 them. In the case of Aksoy, he did iron stains on all
17 of his patients. He was a well-trained hematologist,
18 and he could recognize a ringed sideroblast. And his
19 professor Dr. Dameshek wrote many types about ringed
20 sideroblasts. And certainly Richard Larson and the
21 people at University of Chicago know what a ringed
22 sideroblast is. And there's no publication that
23 suggests that benzene can cause a ringed sideroblast.

24 Q My question is: Were any of the studies that
25 you brought with you looking specifically or studying

1 specifically whether benzene exposures can cause ringed
2 sideroblast?

3 A Absolutely.

4 Q Okay.

5 A They were specifically looked for by Ruiz.
6 They were specifically looked for by Irons.

7 Q Do you understand there's a difference between
8 a finding or an observation and designing a study to
9 determine an outcome?

10 A Yes, the studies were designed to look for that
11 phenomenon.

12 Q You're saying these three studies were designed
13 to look for ringed sideroblast?

14 A Yes.

15 Q And determine whether, in fact, benzene
16 exposures could cause ringed sideroblast.

17 A That was what -- they did iron stains on the
18 bone marrows, and the iron stains light up the ringed
19 sideroblasts, if they're present. So if they weren't
20 looking for that, they wouldn't have done iron stains.
21 And Ruiz specifically comments on it. Iron specifically
22 comments on it. So certainly they were looking for
23 them.

24 Q Looking for them and studying that as an
25 outcome are two different things, correct?

1 MR. HUMPHREY: Objection. Form.

2 A I think in the context of these studies,
3 they're identical.

4 Q (By Mr. Lubel) Do they set forth in these
5 studies a statistical analysis --

6 A No.

7 Q -- on the ringed sideroblast?

8 A No.

9 Q How rare are ringed sideroblasts?

10 A They don't occur in a normal bone marrow.

11 Q How rare are they in MDS?

12 A They're not --

13 Q Just in the general population?

14 A Just that general population of people with --
15 well, I would say that possibly, let's say, 10 percent
16 of people will have RARS; that is to say, they will have
17 huge numbers of ringed sideroblast. Others may have
18 small numbers of ringed sideroblast that don't meet the
19 category for RARS. I wouldn't say it's rare. I would
20 say -- pick a number, I would say you could find ringed
21 sideroblast in possibly 20, 25 percent of people with
22 MDS that you studied. So you would certainly expect to
23 see ringed sideroblasts in many people with MDS.

24 Q Same with AML?

25 A No, it would be less in AML. You would be less

1 likely to find ringed sideroblast in AML. But the
2 bottom line is: So when you don't find any in
3 benzene-induced myelodysplasia, that suggests strongly
4 that benzene doesn't create that phenomenon.

5 Q Mr. Hall had a chromosome defect, correct?

6 A Yes.

7 Q How do you refer to his?

8 A It's called 20q minus.

9 Q How was it determined?

10 A By a standard chromosome analysis.

11 Q Do you have it with you, the medical record
12 that did it or that revealed it?

13 A I'm sure it is in there. Right here.

14 Q This was done by St. Luke's Hospital?

15 A Yes.

16 Q Do you know the doctor that did the test?

17 A Well, Dr. Escudier, who I know, did the bone
18 marrow mechanical aspiration. This was likely sent to a
19 reference laboratory. I don't know if they do them on
20 site in St. Luke's or they send them to M.D. Anderson or
21 they send them to Baylor. But they likely send them to
22 either Baylor or M.D. Anderson.

23 Q Have there been any other cytogenetic studies
24 done other than this one?

25 A I don't think so.

1 Q Do you agree with the interpretation, second
2 sentence it says, "Deletions of the long arm of
3 chromosome 20 have been reported in myelodysplastic
4 syndromes, especially RARS, as well as polycythemia vera
5 and AML, including all subgroups except for M3"?

6 A Yes, that's a correct statement. Let's just
7 see if we can who did this. It's got a medical
8 director. It doesn't say what she's the medical
9 director of. I don't know. You asked where this was
10 done, and it's got the medical director's name, but I
11 don't know what she's the director of.

12 Q What is the purpose of this test? Why are they
13 doing it?

14 A Why are they doing it? Well, I think that when
15 you're making a diagnosis of myelodysplasia if you see a
16 chromosome defect, No. 1, it's a little bit more
17 confirmatory of the diagnosis. You can certainly make
18 the diagnosis clinically on the basic morphology, but
19 it's comforting to know that there's an abnormal
20 chromosome. That lends credence to what you see with
21 the eyes.

22 Secondly, the nature of the chromosome
23 defect often determines the clinical cause, and it
24 determines the therapy, for example -- and the
25 prognosis. Many people with 20q, for example, live many

1 years. It's considered a, quote, "good chromosome
2 aberration." It often responds to treatment. The same
3 with the 5q minus syndrome. And other aberrations
4 sometimes portend a very bad prognosis. And so you do
5 this to try to classify the illness better.

6 Q Are they looking at treatment options?

7 A Yes, both classification and treatment. In the
8 case of the 5q minus, the best treatment is a drug
9 called Lenalidomide. In the case of the minus 20, they
10 often respond to erythropoietin injections.

11 Q It says, "Consider sending at the appropriate
12 interval a follow-up bone marrow for chromosome studies
13 should the clinical course persist or deteriorate."
14 Does that make sense to you?

15 A Yes. I mean, it's arguing with apple pie. In
16 other words, it's like the radiology report saying
17 here's an abnormality, I suggest you repeat that in two
18 months to see if it went away or got worse. And I think
19 most clinicians making a diagnosis of myelodysplasia,
20 unless there was a major change in the blood counts or
21 the circumstances, wouldn't repeat that marrow any time
22 soon.

23 Q Well, how could the chromosome abnormality go
24 away?

25 A It does go away. That's the interesting thing

1 about it. If you take people who have minus five, for
2 example, so-called the 5q minus and you treat them with
3 Lenalidomide, in something like 60 percent of the time
4 it will disappear and the bone marrow comes back and
5 looks like normal. Now, it may not stay gone, but it
6 does disappear. It's quite remarkable.

7 Q But without treatment?

8 A That is treatment. Lenalidomide is an
9 immunosuppressant drug.

10 Q But if you have no treatment?

11 A Oh, yes. Yes, it can disappear if you have no
12 treatment.

13 Q How?

14 A Well, I can just give you a typical example. I
15 wrote a paper on a nice lady who got poisoned, and she
16 got myelodysplasia. And she had what's called a Trisomy
17 of the 15th chromosome, and it was present in about 60,
18 70 percent of her cells. And her husband was an
19 attorney and very nice people, and I couldn't decide
20 whether she needed a marrow transplant or observation.
21 And so I decided I would observe her. And over the
22 years I periodically repeated her bone marrow, and the
23 frequency of that chromosomal aberration that was
24 originally in about 60 or 70 percent of her cells went
25 down to about 50 percent of the cells, went down to

1 about 20 percent of her cells and her blood counts
2 improved with no treatment whatsoever over about an
3 18-year period. I've never treated her. She's still
4 living.

5 And I didn't know what to make out of that
6 until -- and, in fact, I published her case in the
7 literature. And then some additional articles came out,
8 and they found the same thing. And they said that with
9 the particular chromosome aberration she had sometimes
10 you can outgrow it. In other words, the normal cells
11 will grow better -- something caused that defect to
12 occur. And somehow the normal cells will gradually over
13 time overwhelm it. And they say it's most likely to
14 happen if you have 20 percent of the cells or less with
15 the aberration. When you have 80 percent of the cells
16 with the aberration from the getgo, it usually goes off
17 into leukemia or MDS. And this lady I had was sort of
18 on the borderline, and she lucked out. And the normal
19 cells have gradually taking over her blood marrow.

20 Q But are you limiting the chromosome defects
21 disappearing to that particular chromosome abnormality?

22 A No. As I say, that's well described with that
23 particular one. It's well described with 5q minus,
24 particularly when they're treated with Lenalidomide. In
25 most other cases, they don't go away and generally get

1 worse with time.

2 Q Meaning you can take a picture and see a
3 particular chromosome defect, and it can change over
4 time?

5 A It can change, but usually for the worse, but
6 occasionally for the better.

7 Q But even the type of chromosome abnormalities
8 can change, depending on when the picture is taken,
9 right?

10 A That's correct. They may -- Inversion 16
11 leukemia is like that. They may have the Inversion 16,
12 and as much as 40 percent of the time they may develop
13 other chromosome aberrations.

14 Q I've not heard you say, but I want to make sure
15 the record is clear. You're not claiming that the
16 particular chromosome abnormality that Mr. Hall had
17 cannot be induced by benzene exposure, correct?

18 A Well, I don't know that it cannot be induced by
19 benzene exposure. All I can tell you is that if you
20 pick up one of any of number of papers on that
21 aberration -- and I have several here -- it's not been
22 described with benzene exposure, and none of the authors
23 attribute it to benzene exposure. I can't prove to you
24 that benzene exposure can't cause it. In Irons study he
25 didn't find it in the benzene exposed people, in

1 contrast to the fact that he did find it in the benzene
2 unexposed people.

3 Q He said he didn't find it?

4 A He didn't find it in the benzene exposed. He
5 did find it in the unexposed.

6 Q He said he did not?

7 A Did find it in the unexposed.

8 Q Oh. In the exposed, you're saying that Irons
9 said he did not find it?

10 A Irons said that he has -- and he showed slides
11 at a meeting I had with him several days ago, more than
12 are in this paper of his because he's getting ready to
13 publish other aspects of that study. But he found not a
14 single patient in the benzene exposed group with a 5q
15 minus and not a single patient with a 20q minus.

16 Q That's what his article says, what you're
17 saying?

18 A Well, this article, I think, talks about the
19 20. I'm not sure it says about the 5. But he says that
20 he is unable to find that in a benzene -- those two
21 aberrations in a benzene-exposed population; whereas, he
22 does find them in his unexposed myelodysplasia at the
23 same rate that everybody else finds them in the general
24 population.

25 Q So you met with him recently?

1 A Yes.

2 Q Regarding?

3 A It was another case that Bob Scott had and
4 Richard Irons was in town and I was invited over to sit
5 in on his discussion. He was presenting some of what's
6 in this article. And --

7 Q Who all was present?

8 A Bob Scott was, Stan Perry was. I'm trying to
9 think who else was there. Mr. Gray was there. Richard
10 Irons, myself, Ms. Randolph who works for Bob Scott.
11 Mike Baker was there. I think that's it was at that
12 meeting. And he -- I was invited over there.

13 I had met him many years ago, probably 18
14 years ago was the only time I've actually met him;
15 although, I've talked with him over the phone a couple
16 of times in the interim. And he was in town and
17 presenting his stuff, and so I listened in on it.

18 Q What stuff was he presenting?

19 A This study that we're talking about that he's
20 published here, his results.

21 Q Exhibit 4?

22 A Pardon me.

23 Q The Exhibit 4 study?

24 A Yes.

25 Q Was he showing you something that's not in this

1 published study that you've marked as Exhibit 4?

2 A He showed a number of slides. I don't think
3 all of them were in that. He showed -- some of the ones
4 that were, I remember distinctly the blood cell
5 pictures. He showed those and he showed a table of
6 chromosome aberrations and I think he had data in there
7 that was not in this article.

8 Q Okay. Do you have it?

9 A Do I? No. No, I was just --

10 Q You didn't get a copy of it?

11 A No, I was just --

12 Q Why not?

13 A Because he was showing a PowerPoint of it.

14 Q Did you ask him for it?

15 A No. He was just giving a little you might
16 off-the-cuff lecture of what he found.

17 Q How long did that lecture last?

18 A They were talking when I got there, and they
19 were talking when I left. I was there probably an hour.

20 Q And it was for a case?

21 A Well, I don't know. I assume so. You know, it
22 was -- I don't know if it was structured for a case
23 particularly. I think he is an expert witness on a case
24 that they have, but I don't know if the purpose of the
25 meeting was solely that case or to hear what he had to

1 say about this study. From my interest in it, I was
2 interested in what he presented.

3 Q Who invited you?

4 A Bob Scott did.

5 Q Do you work on cases with Bob Scott?

6 A Yes.

7 Q Which cases right now?

8 A I'm terrible on names. I have a case with him.

9 And hang on, maybe I can think about it a little bit.

10 It's going -- I can't remember cases.

11 Q Of all the lawyers you referenced that were at
12 that meeting --

13 A Yes.

14 Q -- that attended, you work for them?

15 A From time to time, yes.

16 Q Tim Gray?

17 A Yes.

18 Q Mike Baker?

19 A Yes.

20 Q Bob Scott?

21 A Yes.

22 Q Steve Dillard?

23 A Well, he wasn't at that meeting.

24 Q But you do work for him?

25 A I have. Not for some time, but I have.

1 Q Stan Perry?

2 A Stan Perry, yes.

3 Q And, in fact, one or more of those lawyers have
4 reviewed your articles that address benzene before
5 they've been published, correct?

6 A I would just say one. Steve Dillard reviewed
7 one of my articles as probably the eighth or ninth
8 reviewer before I sent it in, yes.

9 Q Who were the other reviewers?

10 A Two of my professors from medical school Ed
11 Lynch and Herb Fred, a couple of the other hematologists
12 in my office, my wife. Attorneywise he was the only one
13 who reviewed it.

14 Q And do you have the commentary he made?

15 A He didn't make any commentary. I sent it to
16 him for his comments, and he said he liked it. And he
17 didn't have any particular comment.

18 Q No changes?

19 A No, he didn't change anything.

20 Q No suggestive changes?

21 A No. He said he liked it, and he enjoyed it. I
22 was interested to see if it was understandable to a
23 person who has a layperson as opposed to an M.D. but had
24 a substantial knowledge of the field, and I just wanted
25 to understand if it was readable.

1 Q So what data did Irons present to you in the
2 meeting with the lawyers over at Mr. Scott's office in
3 the last couple of days that's not in his published
4 article?

5 A The one feature that I remember -- and I can't
6 even quote the numbers -- but he had something to the
7 effect that in the Hayes article and the Richardson
8 article and some of the others, they talk about the fact
9 that recent exposures to benzene causes the problem. He
10 had some data on that and essentially claimed the same
11 thing, that where he saw aberrations from benzene, they
12 were basically the consequence of recent exposures, not
13 late exposures.

14 Q That's not in his article?

15 A That's not even mentioned in his article.

16 Q What data did he have to support it?

17 A I don't remember. He made that statement, and
18 he was showing a slide. I don't remember exactly what
19 was on the slide. But he made the statement that that
20 was what he found, that recent exposures were most
21 important.

22 Q Did you ask him why he didn't put that in his
23 article?

24 A No.

25 Q He did not show you the underlying data that

1 supported the statement?

2 A I don't think so. He had some slides up and
3 they were up when I came in and he was showing more
4 stuff when I left. But the key things I got about it,
5 about that meeting was the morphology. He showed all
6 the pictures of that and the chromosome aberrations, and
7 he talked about the fact that he didn't look vigorously,
8 but didn't find these so-called interstitial defects
9 like minus 5 and minus 20.

10 Q What does that mean?

11 A Well, it just means that you got a piece of a
12 chromosome, and you're not losing the end of it, you're
13 losing something in the middle. It's sort of
14 interstitial. That's what a minus -- you're losing a
15 piece. That's the minus. And the Q, that stands for
16 bands that are in that chromosome. And the 20q minus is
17 not always the same in every person. In other words,
18 they may lose a large piece or a smaller piece or a
19 different piece, but it's a piece from the long arm of
20 that chromosome.

21 Q How long did you look at slides, Dr. Irons
22 slides?

23 A I was there about an hour, maybe 15 minutes or
24 so of it --

25 Q Were slides?

1 A Were slides, yeah.

2 Q What did you do the other 45 minutes?

3 A We just talked in general. I'm trying to
4 remember if there was any particular subject. It had to
5 do with his studies, and I'm trying to recall what, if
6 anything, I asked. Oh, I asked him in detail about how
7 these guys got their exposure to benzene. I was
8 interested to see in terms of the eosinophilia was there
9 a way that they were getting their benzene. In other
10 words, was it tire manufacturing. What was it, to know
11 if there was something else that was causing that
12 eosinophilia, some other chemical. And he said, for
13 example, that what they commonly do is he'll go to a
14 factory. They will have an oaken cask, a gigantic cask
15 with a wooden top. It's totally full of pure benzene,
16 hundreds of gallons of it, and they dissolve raw rubber
17 in it and get gigantic exposures in that room that
18 they're doing it. And he said so some of those people
19 are from the rubber industry. And he said no one in the
20 world any longer dissolves rubber like that, but benzene
21 is the best solvent for rubber. And so he mentioned
22 that.

23 He also mentioned the fact that -- I said,
24 do these people ever get promoted? And he said, no. He
25 said, the way it operates in China is that you're a

1 cauldron stirrer, you keep being a cauldron stirrer.

2 There's very little room for advancement. You have that
3 job and you keep that job, unless you get sick and
4 leave. So we talked about the demographics of what he
5 found a little bit in terms of the job work. Those are
6 the things that come to mind that I can recall.

7 Q That's all you can remember?

8 A Yeah. I mean, as I say, I wasn't -- the
9 meeting hadn't started actually. I must have gotten
10 there, I'm guessing, like 1:00 o'clock in the afternoon,
11 and I think he got there like 10:00 o'clock in the
12 morning. And so the meeting had long been going on when
13 I got there, and I just stayed in there for a while. I
14 had to get back to work, and I left. I was there maybe
15 an hour.

16 Q Do you know which of the oil companies paid
17 Dr. Irons for that meeting?

18 MR. HUMPHREY: Objection. Form.

19 A No.

20 Q (By Mr. Lubel) You realize that his work has
21 been funded by a number of oil companies?

22 A Yes.

23 Q For instance, Exxon?

24 A I don't know who all funds his -- funded his
25 study. He told me when I spoke with him on the phone

1 some months ago a little bit about the study, that his
2 funding was running out. And so what he was doing is
3 this laboratory he had developed was sort of being
4 converted to like a for-profit laboratory for all the
5 hospitals in the area and that it's going to have to
6 stand on its own as a profit-making institution.

7 Q Is he done with his work?

8 A I don't know the answer to that.

9 Q The article that you've brought with you --

10 A Yes.

11 Q -- that we've marked as Exhibit 4, is that the
12 final piece of publication to come from Dr. Irons?

13 A I don't think so because he suggested that he
14 was -- that he was doing something other with this group
15 and I think there's going to be at least another
16 forthcoming article; although, he didn't show it at the
17 discussion there.

18 Q What is the topic?

19 A I don't know. But, I mean, it would have to do
20 with benzene.

21 But I think this article is because -- I'm
22 guessing it might have something to do with the latency
23 because he brought up the business about the recent
24 versus the late exposures, and none of that was in this
25 article. And so I assume he's working on some other

1 stuff.

2 Q Have you seen the articles from Dr. Irons where
3 he addresses the exposure levels?

4 A Well, he says something to that effect in this
5 article. He has, like, four or five levels of exposure.
6 That's in this article.

7 Q So they do a -- is it like a case control
8 analysis where they look at each disease status patient
9 and see what their exposures were?

10 A I can't tell you in that detail. In this
11 article he gives sort of level ranges, as I recall, and
12 in terms of what their exposure is. He's got maybe four
13 or five different levels of exposure.

14 Q And so do you recall whether the article tells
15 you where there's an increase in risk?

16 A No, it doesn't get into that. I think he's
17 working with the people with the highest exposures.

18 Q So to your knowledge, Dr. Irons is not
19 evaluating what exposure levels can cause disease?

20 A As far as I know, that would be true.

21 Q In any of your conversations or meetings with
22 him has he suggested otherwise?

23 A No.

24 MR. LUBEL: Let's take a short break.

25 (Recess from 3:51 p.m. to 3:56 p.m.)

1 Q (By Mr. Lubel) Have you brought any literature
2 with you today that concludes that the type of
3 chromosome abnormality that Mr. Hall had cannot be
4 induced by benzene exposure?

5 A No.

6 Q Have you seen any?

7 A No.

8 Q You make reference to a Surgeon General report
9 on smoking?

10 A Yes.

11 Q Do you have that with you?

12 A Yes. It's an excerpt from that report.

13 Q Is there more to it than this?

14 A Yes, but I don't -- I mean, it's a book,
15 basically. But that's just a synopsis of part of it.

16 Q Have you seen the book?

17 A I used to have some -- a disk of the book. I
18 don't know if I still have it or not, but I may.

19 Q Have you studied the underlying data, if you
20 will, or studies that they refer to?

21 A No.

22 Q When you look at the leukemia studies that
23 involve smokers --

24 A Yes.

25 Q -- what do they tell you about the morphology

1 pattern that you've referred to earlier, hypocellularity
2 increase in eosinophils, ringed sideroblasts,
3 histiocytes, chromosome defects, et cetera?

4 A There is no information about that because it's
5 very difficult to isolate a person's leukemia as purely
6 being caused by cigarettes. So there are some
7 suggestions in some of these articles about certain
8 chromosome aberrations, but there really is no data on
9 what the bone marrow would look like as opposed to any
10 other person's bone marrow with these diseases.

11 Q It's not been studied, to your knowledge?

12 A Well, it's difficult to study because it's hard
13 to isolate smoking from other things a person might do.
14 And I've not seen a report that says this is what a bone
15 marrow looks like in a smoker's leukemia.

16 Q To your knowledge, it has not been studied
17 specifically?

18 A Correct, I've never seen such a study.

19 Q Now, there's other secondary causes other than
20 benzene that can cause MDS or AML, correct?

21 A Yes.

22 Q Like we've talked about, chemotherapy,
23 radiation, things like that?

24 A Yes, autoimmune diseases.

25 Q Let's talk about chemotherapy for a minute.

1 A Yes.

2 Q What is the morphology pattern to chemotherapy?

3 A Well, it's variable, but in general
4 hypocellularity is prominent. It's not a universal
5 finding, but hypocellularity is often seen in very
6 abnormal morphology. The red cell precursors are the
7 ones that are usually most evident.

8 Q How about radiation?

9 A Well, radiation can also obliterate a bone
10 marrow and make it hypocellular.

11 Q Does it typically?

12 A Frequently, yes.

13 Q Frequently or typically?

14 A I'd say --

15 MR. HUMPHREY: Form.

16 A -- frequently.

17 And, again, it's looking at the timing.
18 In other words, after an area of marrow gets radiated,
19 it's very hypocellular. It may recover as time passes
20 to a certain degree.

21 Q (By Mr. Lubel) How about the increase in
22 eosinophils?

23 A I've never seen that with radiation, and I'm
24 not aware of that with chemotherapy. I don't think I've
25 ever seen that. Sometimes that happens with

1 Inversion 16 leukemia and a few other leukemias, but
2 it's not a common event.

3 Q Are ring sideroblasts common from either
4 chemotherapy or radiation?

5 A Not in the numbers that we see with refractory
6 anemia and ring sideroblasts. That would be very
7 unusual. It's been reported as a transitory phenomenon
8 with chemotherapy. I think I reference that in my
9 article. And you occasionally can see a few ring
10 sideroblasts in many sick bone marrows, but to see the
11 numbers that you see in what we call R-A-R-S would be
12 very unusual.

13 Q For both chemotherapy and radiation?

14 A Yes.

15 Q How about the histiocytes, the scavengers?

16 A I think I've seen that and not paid much
17 attention to that in other bone marrows. I don't think
18 that's a specific finding.

19 Q So it sounds like in your opinion the
20 benzene-induced leukemia or MDS the morphological
21 pattern is different than what you would expect for
22 chemotherapy or radiation?

23 A I think that there is a subtle difference. The
24 eosinophilia I don't attribute to our standard
25 chemotherapy or radiation. The hypocellularity could

1 certainly occur with chemotherapy or radiation, and both
2 instances -- all three instances could cause chromosome
3 aberrations of different types. There would be
4 similarities.

5 Q But there's not identical overlap?

6 A There's not an identical overlap, correct.

7 Q And you wouldn't expect there to be given that
8 they're different substances, correct?

9 A That's true.

10 Q Even within the chemotherapy category we're
11 talking about multiple different types of substances?

12 A There are multiple different types of
13 chemotherapy drugs, yes.

14 Q And they don't all do the same thing, right?

15 A They don't, that's correct.

16 Q Some treat particular forms better than others,
17 right?

18 A Yes.

19 Q That's why they're developed?

20 A Yes.

21 Q The time course between onset of chemo -- you
22 know, between chemotherapy treatment and onset of MDS or
23 AML has been viewed differently or the observations have
24 been different depending on the type of chemotherapy
25 agents, correct?

1 A That is correct.

2 Q Even within categories of chemotherapeutic
3 agents?

4 A Well, in general the so-called topoisomerase
5 inhibitors, of which there are several, they generally
6 have a short latency and the alkylating agents generally
7 have a little longer latency, but it's quite variable.

8 Q Variable in what?

9 A Well, if you give somebody alkylating agents
10 you might see a leukemia in three years or you might see
11 it in eight years, but if you're talking topoisomerase
12 inhibitors, most of them they occur, I'd say, within the
13 first three years after you've accumulated a significant
14 does. They're very early on.

15 Q But at least one of the explanations between
16 the difference in the alkylating agents and the
17 topoisomerase agents is the fact that they're different
18 chemicals?

19 A They're definitely different chemicals, yes.

20 Q They have a different structure?

21 A Different structure.

22 Q And the benzene is different than both the
23 chemotherapeutic agents, correct?

24 A Correct.

25 Q Your opinions about the dose necessary to cause

1 AML or MDS, that has not changed, has it?

2 A That's correct, it does not change.

3 Q And I've heard you say before that you'd, under
4 the right circumstances, agree to as low as 40 ppm
5 years, correct?

6 A Yes, because I think that the measurements are
7 very inaccurate, and a 40 might really be an 80 or a
8 hundred or higher. I would just say it's very high
9 doses and certainly much higher than we were told in
10 this case.

11 Q And if we look at the Lichtman article which
12 you've cited -- do you have it?

13 A Yes.

14 Q I think I turned it sideways.

15 A I have to consult my list again and see --

16 Q Take your time.

17 A -- where that was.

18 Q I think I turned it --

19 A Well, there are two articles. There's one that
20 has to do specifically with smoking and then this other
21 one.

22 Q I think it's in '08.

23 A The smoking one is an editorial.

24 (Exhibit 6 marked)

25 Q (By Mr. Lubel) Before we get off the smoking

1 issue, Exhibit 6 that I've marked comes from your file.

2 It's your Surgeon General reference, correct?

3 A Yes.

4 Q You're using this for part of your opinions,
5 correct?

6 A Yes, in general that cigarettes are associated
7 with MDS and acute leukemia, although that's specific to
8 acute leukemia.

9 Q In other words, this particular reference is
10 not specific to MDS, but you're using it for that,
11 correct?

12 A Well, I'm just using it because smoke -- we
13 generally think that if something causes leukemia it's
14 likely to cause MDS, and that is discussing leukemia.

15 Q And it's entirely appropriate, is it not, for
16 you or others like you to use the leukemia literature in
17 an MDS analysis, correct?

18 A It is. They are certainly not the same
19 illnesses, but they have similarities.

20 Q They're similar enough that you use that base
21 of literature in your MDS cases?

22 A Yes.

23 Q Including lawsuits, not just patients?

24 A Yes.

25 Q Now, let's go back to the Lichtman article.

1 It's a -- looks like 2008. You're familiar with it,
2 correct?

3 A Yes.

4 Q In fact, it cites yours, doesn't it?

5 A It does, yes, correct. I was quite surprised.

6 Q It says --

7 A I don't know the author personally. I've never
8 met him.

9 Q It says: The major subtype of leukemia can be
10 a result of long-standing cigarette smoking. Do you
11 agree with that?

12 A That cigarette smoking may cause leukemia, yes.

13 Q Or relatively high level prolonged exposure to
14 benzene greater than 25 to 40 ppm years. Do you agree
15 with that?

16 A Well, I think it's higher than 40 and so -- but
17 it's high.

18 Q What does it say there? It's the sentence
19 right underneath your highlights.

20 A It says: Prolonged exposure to benzene greater
21 than 25 to 40.

22 Q PPM years?

23 A It does. But, of course, the greater than 25
24 doesn't tell you how much greater. In other words, it's
25 more than 25.

1 Q It cites your article?

2 A But my article doesn't say greater than 25 to
3 40. My article says greater than 40.

4 Q Okay. So is he wrong?

5 A Well, I don't know that he's wrong. He's
6 citing my article. But I didn't say greater than 25 to
7 40. I said -- we can look at my article, but I think I
8 said greater than 40.

9 Q We wouldn't know that he's misquoted you
10 without going to your article, correct?

11 A That's true.

12 Q Is there anything else in here that we know
13 he's wrong about?

14 A I don't know. You'd have to look -- it's a
15 long article. We'd have to go through it.

16 Q That's one of the difficulties inherent in
17 review articles, right?

18 MR. HUMPHREY: Objection. Form.

19 A Well, a review article is what it is, and you
20 you're looking to see what the general opinion is about
21 a particular illness.

22 Q (By Mr. Lubel) Treating doctors don't have the
23 time to go through and look at all the citations in a
24 review article, do they?

25 A That's correct.

1 Q Time does not permit treating doctors, whether
2 they be hematologists or oncologists, in that field or
3 other fields, to not only review review articles
4 pertinent to their field but also go back and look at
5 all the citations to see if what was stated was
6 accurate?

7 A That's correct.

8 Q The standard of care does not require medical
9 doctors to check the literature to see if what the
10 author is stating is accurate?

11 A That's true.

12 Q You say most patients with MDS do not progress
13 to AML in your report?

14 A Yes, that's true.

15 Q But you also say that an AML that has preceding
16 MDS is more likely to be benzene induced everything else
17 being equal?

18 A Well, what I said -- we can see what I said.
19 But basically a chemical-induced leukemia is more likely
20 to have a myelodysplastic phase. About two thirds of
21 the time if you have a chemical-induced leukemia,
22 there's a phase of myelodysplasia before the AML becomes
23 apparent.

24 Q Well, for a leukemia case does having MDS break
25 in favor of it being benzene induced or against it?

1 A Well, it would be a point that could be used in
2 favor of it, yes.

3 Q It looks like, just as we've briefly touched on
4 earlier, that you're using certain leukemia or AML
5 literature on exposure levels for MDS, correct?

6 A Yes.

7 Q In your opinion that falls within the standard
8 of care to do such, correct?

9 A I think so. That's the World Health
10 Organization suggesting that that's the case, and I
11 think that's a general opinion. In other words, we
12 accept the fact that benzene may cause MDS, and we
13 generally have no reason to think its levels are any
14 different than that of AML.

15 Q And that's why you've relied upon particular
16 literature on AML that addresses exposure levels?

17 A Yes.

18 Q There's a paragraph...

19 MR. LUBEL: Does he have your copy?

20 Q (By Mr. Lubel) It's on Page 5, Dr. Natelson.

21 A Page 5. Okay.

22 Q It's in the center. Do you see it?

23 A Yes.

24 Q Are you addressing the concepts of epidemiology
25 there.

1 A In a sense. I'm not an epidemiologist. These
2 are general statements.

3 Q Well, you're pretty clear that you're saying
4 there should be a consistency of an association among
5 the studies, correct?

6 A That's what you would like to see. In other
7 words, if one study is very aberrant, it could be
8 correct, but you would like to see some consistency.

9 Q And do you agree with the Union Carbide
10 epidemiologist, Dr. Jane Teta, that statistical
11 significance is not required in order for the studies to
12 provide meaning in the epidemiological sense?

13 MR. HUMPHREY: Objection. Form.

14 A That would be beyond my expertise to comment
15 about.

16 Q (By Mr. Lubel) Have you seen Dr. Teta's
17 deposition?

18 A No.

19 Q Would it surprise you if she said you don't
20 need statistical significance in the studies, what
21 you're actually looking for is a trend of consistency
22 among the studies?

23 MR. HUMPHREY: Objection. Form.

24 A I don't know that that would surprise me.

25 Q (By Mr. Lubel) Would you defer to an

1 epidemiologist or toxicologist in that area?

2 A To analyze that comment, yes.

3 Q Well, it doesn't look to me like for this
4 particular case that you've pulled all of the studies
5 that address lower level benzene exposures in AML or
6 MDS, correct?

7 MR. HUMPHREY: Objection. Form.

8 A Well, I mean, I -- that's probably a true
9 statement. I've looked at some modern studies.

10 Q (By Mr. Lubel) In other words, do you have
11 the -- do you have the studies that Dr. Gardner lists in
12 his chart?

13 A I --

14 Q Have you seen those recently?

15 A Not recently.

16 Q Did you get Dr. Gardner's report?

17 A It's got to be in there. I'm sure it is in
18 there someplace, or maybe it isn't. I have his
19 deposition. I don't know if I have his report. Let me
20 see. Maybe this is it. Let's see.

21 Yes, I have an affidavit of Dr. Gardner.

22 Q Do you see that chart? What page is that?

23 A This is -- there's no page number. Appendix 4.

24 Q Do you recognize that?

25 A Well, I have these articles. I've referenced

1 Bloemen. I've referenced the Glass study. I have
2 Collins. I have Rushton. I don't -- the Glass study
3 doesn't suggest to me that low dose benzene causes
4 myelodysplasia. In fact, she doesn't even discuss
5 myelodysplasia. And the Bloemen article has to do with
6 40 part per million exposure causing AML as
7 statistically significant. So I would doubt that these
8 articles show consistent statistically significant
9 evidence that low dose benzene causes myelodysplasia.

10 Q Well, I thought you said earlier it was
11 appropriate to look at the AML literature?

12 MR. HUMPHREY: Objection. Form.

13 A Yes, it is. Glass is an AML, and she shows a
14 deficit of leukemia in her study. So she shows that
15 low-dose benzene doesn't cause AML, and she didn't study
16 MDS. She shows nothing.

17 Q (By Mr. Lubel) She shows nothing?

18 A There's nothing in her study that suggests she
19 has a deficit of leukemia in the study that she has, the
20 most recent edition. She has like 11 cases with 12 and
21 a half or so expected in a group with a mean level of
22 benzene around 4.9 part per million years. So she shows
23 no evidence that low-dose benzene in that group causes
24 AML.

25 Q She's never said that before?

1 A She has said that. She did a subset
2 analysis --

3 Q Oh.

4 A -- many years ago of, like, 79. There are
5 18,000 people in the group, so she picked out 79 and did
6 a questionnaire study, which, of course, would be full
7 of recall bias. And she came to what I consider
8 erroneous results because the purpose of this study was
9 not to cut out little subsets but to look at 18,000
10 people studied over time, and when you look at that,
11 there's nothing there.

12 Q What's your criticism of the questionnaire part
13 of it?

14 A Because I think some of these people were dead
15 when that questionnaire was issued, and so it was -- and
16 the questionnaire was answered by -- not even by the
17 patient. But I think she said there were four different
18 sets of questionnaires. My understanding was that some
19 of those were answered by the family or coworkers.
20 There were no specific measurements. And so you're
21 talking recall bias on top of recall bias, and that's
22 why you come up with number like that. And now she has
23 to recant because she can find nothing. She finds no
24 increased incident no matter what site they worked
25 there, no matter how long they worked there. Looking at

1 the whole group, there's nothing there.

2 Q She recanted is what you're saying?

3 A That's the way I read in her latest report.
4 She says, and I quote it in my article, that there's no
5 increased incident with any site, with any duration of
6 employment, and that the studies dealing specifically
7 with AML are too small to do anything statistically.
8 And that's what she says in her article.

9 Q Do you know the difference between a cohort
10 study and a case control study?

11 A I wouldn't speak to that expertly. I have
12 general knowledge, yeah.

13 Q Do you have an understanding as to whether the
14 studies you're referring to are two different types that
15 she did, one was a case control and one was a cohort?

16 A Oh, they may be a different study, but she's
17 taking -- she's doing a subset analysis. She's taking a
18 small group of 79 people from a group of 18,000 and
19 trying to make sense out of that and when you do that
20 you introduce all kinds of bias and your control group
21 may not really be a good control group. And that's not
22 the purpose of that study. The study was put together
23 to determine what happened to these 18,000 people just
24 like what happened to the 1800 people in the Pliofilm
25 study. And as this study has gone along, we found out

1 that there's nothing there. There is no increased
2 incidence of AML, and MDS, the illness here, isn't even
3 discussed.

4 Q So do you have the same criticisms of
5 Dr. Irons' work where he takes a subset of the MDS?

6 MR. HUMPHREY: Objection. Form.

7 A Because he's got a subset that has specific
8 measured exposures to benzene, that's a whole lot
9 different than taking a questionnaire to a person's
10 relative. I think there's a subtle difference there.

11 Q (By Mr. Lubel) Is that your only difference in
12 criticism?

13 A No, I think that Irons' study he's got people
14 with major exposures, and he's finding a consistent
15 result. In the case of the Glass study it's I think a
16 subset analysis that when you look at the total picture
17 of that study over time it's become clear that that
18 subset analysis was inaccurate.

19 Q Why did the authors intend to do -- why did
20 they do a subset analysis?

21 A I don't know why they did that.

22 Q Well, did they say why?

23 A I'd have to look again at the article to say
24 why they even attempted that but they did do a subset
25 analysis, yes, and that's what that was based on.

1 Q Is a subset analysis called a case control
2 study, or do you know?

3 A A subset analysis could be. I think that's
4 apples and oranges. A subset analysis is you're taking
5 a large group and looking at a portion of it to
6 determine if you can make some analysis. In other
7 words, you might have people with heart failure you're
8 studying and you want to know the people with heart
9 failure who like to climb stairs and so you're going to
10 select those out and see if maybe climbing stairs
11 influenced their heart failure. It's a subset analysis.
12 It could be matching it up with controls from another
13 series, yes.

14 Q In other words, was what you were referring to
15 as the Glass subset analysis, do you know if that was,
16 in fact, a case control study?

17 A I don't know exactly how she structured that,
18 but she did have controls, yes.

19 Q But you don't know if it was a case control
20 study?

21 A The technical jargon is not my field.

22 Q Do you know if it was a cohort study?

23 A Well, no, I can't tell you that.

24 Q Where's that chart you had?

25 A What did we say? Right here, yeah.

1 Q So are you saying that Appendix 4 that the data
2 it presents is inaccurate?

3 A We'd have to pull out each article and look at
4 them one by one but I don't -- but I'm familiar with the
5 Glass studies, and to me that doesn't support the fact
6 that -- that benzene can cause, in low doses,
7 myelodysplasia. And some of these other studies, I have
8 looked at Rushton's, and I'm not certain that some of
9 these articles are statistically significant in terms of
10 their standard mortality rates. I'd have to look at
11 each article individually to see.

12 Q You haven't done so, right?

13 A No, I haven't done so. I have looked at many
14 of these articles. I have had probably most of them in
15 my files, but I wasn't impressed that they showed
16 anything. Bloemen, for example, I know that there's no
17 statistical significance below 40 part per million
18 years. I have that article here.

19 Q What does Dr. Gardner say?

20 A He claims that they have a high SMR, but he's
21 not talking about statistical significance.

22 Q What does a high SMR mean?

23 A Standard mortality rate. So, in other words,
24 if what's in the general population is 1 and your SMR is
25 2, you've got twice as much risk.

1 Q So you think he's reporting something wrong?

2 A Well, let's look at the paper and see. Let's
3 see what number Bloemen is. That's a good one to
4 analyze here. Let's see what number that is. That's
5 No. 28. Okay. Well, let's see. It says in the title
6 SMRs for Acute Nonlymphocytic Leukemia. Chronic
7 Lymphocytic Leukemia NonHodgkin's Lymphoma were 1.1.
8 So, in other words, for ANLL that would be 1.1, and that
9 doesn't sound like it's got any statistical
10 significance. What does he say it was?

11 MR. LUBEL: Objection. Nonresponsive.

12 Q (By Mr. Lubel) If you look at the chart --

13 A Yes.

14 Q -- he reports that between 28.3 and 79.1 ppm
15 years the SMR for ANLL is 1.47.

16 A Well, as the way I'm reading this, he says SMRs
17 for Acute Nonlymphocytic Leukemia, ANLL, and he's
18 putting them in sequence, the first one is 1.1. That's
19 the SMR for acute nonlymphocytic leukemia or AML.

20 Q It's not listing an exposure level, though.
21 Possibly the article deeper inside of it provides a
22 table or chart that gives exposure levels?

23 A Results for ANLL are particularly difficult to
24 interpret due to the small numbers of deaths. He simply
25 says, our risk estimates for ANLL are considerably lower

1 than those seen in the study on Chinese workers, and a
2 more recent meta-analysis showed an SMR of 0.96 for AML.
3 Average benzene exposures estimated to be below 1 part
4 per million for refinery workers. Now he's talking
5 about other people's studies in here.

6 But I don't see that he shows any table in
7 here to suggest that what Dr. Gardner says is so, and I
8 don't see that he has anything that would look
9 statistically significant for a risk of ANLL.

10 MR. LUBEL: Objection. Nonresponsive.

11 A And he tells us that it's too small for
12 analysis.

13 MR. LUBEL: Objection. Nonresponsive.

14 Q (By Mr. Lubel) You have not seen, at least in
15 the Bloemen article which we specifically looked at, the
16 SMRs that Dr. Gardner refers to in Appendix 4 of his
17 report, correct?

18 A That's correct.

19 MR. LUBEL: I tell you what, let's take a
20 short break. I'm going to go through this file real
21 quick.

22 (Recess from 4:33 p.m. to 4:40 p.m.)

23 (Exhibit 7 marked)

24 Q (By Mr. Lubel) Exhibit 7 is your
25 correspondence with the defense lawyers in the case,

1 correct?

2 A Yes.

3 Q Have we covered your opinions between what
4 you've said today and your report?

5 A Yes, sir.

6 Q Is there any testimony that you've given today
7 that needs to be changed or modified in order to make
8 your testimony accurate --

9 A No.

10 Q -- that you can think of?

11 A That I can think of.

12 Q You know you're going to have an opportunity to
13 review it?

14 A Yes.

15 Q Have I been polite to you?

16 A Absolutely.

17 Q Can you think of a time where I have been
18 anything other than just a gentleman with you?

19 A Never other than a gentleman.

20 Q Okay. Thank you for your time.

21 MR. HUMPHREY: I have two quick
22 follow-ups.

23 MR. LUBEL: Okay.

24 *

25 *

EXAMINATION

BY MR. HUMPHREY:

Q Dr. Natelson, Mr. Lubel asked you some questions about a meeting that you attended where Dr. Irons made a presentation. Do you recall those questions?

A Yes.

Q Approximately when did that meeting take place?

A I would say about a week ago.

Q Was that after you had written your report that summarizes your opinions in this case?

A Yes.

Q Was there anything in your November 22nd report, any of those opinions based in any way what you learned solely at that meeting where Dr. Irons gave this presentation?

A No.

Q Is there anything that you learned in Dr. Irons' presentation that in any way conflicts with your opinions as presented in your November 22nd report?

A No.

MR. HUMPHREY: That's all I have. We'll reserve our questions for trial.

*

*

FURTHER EXAMINATION

BY MR. LUBEL:

Q In fact, if I understood you correctly, there's nothing in particular you are relying upon from that presentation by Dr. Irons with all those defense lawyers for this particular case?

A That's correct.

Q Correct?

A Yes.

Q You didn't go there for this case?

A No, I didn't go there for this case.

Q You went there for another case, and it just so happens that you heard things that involved information that's not in the articles that he has published?

A That is correct.

Q But you're not specifically relying upon that document -- pardon me -- that information for your opinions in this case?

A Correct.

MR. LUBEL: Okay.

(Proceedings concluded at 4:43 p.m.)

CHANGES AND SIGNATURE

WITNESS: ETHAN A. NATELSON, M.D. DATE: JANUARY 18, 2010

PAGE	LINE	CHANGE	REASON
------	------	--------	--------

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 I, ETHAN A. NATELSON, M.D., have read the foregoing
2 deposition and hereby affix my signature that same is
3 true and correct, except as noted above.
4
5
6

ETHAN A. NATELSON, M.D.

7
8
9
10 THE STATE OF _____)

11 COUNTY OF _____)

12 Before me, _____, on this
13 day personally appeared ETHAN A. NATELSON, M.D., known
14 to me (or proved to me under oath or
15 through _____) (description of
16 identity card or other document)) to be the person whose
name is subscribed to the foregoing instrument and
acknowledged to me that they executed the same for the
purposes and consideration therein expressed.

17 Given under my hand and seal of office this _____ day
18 of _____.

19 NOTARY PUBLIC IN AND FOR THE STATE OF _____
20
21
22
23
24
25

CAUSE NO. 08-CV-0161

MARY ELLEN HALL,) IN THE DISTRICT COURT OF
INDIVIDUALLY AND AS)
REPRESENTATIVE OF THE ESTATE)
OF JOHN NEAL HALL, DECEASED,)
JOHN EMERY HALL AND LISA ANN)
EASLEY,)
Plaintiff(s),)

V.) GALVESTON COUNTY, TEXAS

RADIATOR SPECIALTY COMPANY,)
ET AL,)
Defendant(s).) 56TH JUDICIAL DISTRICT

REPORTER'S CERTIFICATION
ORAL DEPOSITION OF ETHAN A. NATELSON, M.D.
JANUARY 18, 2010

I, Wendi Broberg, Certified Shorthand Reporter in and
for the State of Texas, hereby certify to the following:

That the witness, ETHAN A. NATELSON, M.D., was duly
sworn by the officer and that the transcript of the oral
deposition is a true record of the testimony given by
the witness;

That the deposition transcript was submitted
on _____, _____ to the witness
or to the attorney for the witness for examination,
signature and return to me by

That the amount of time used by each party at the
deposition is as follows:

MR. LANCE H. LUBEL 02:45
MR. SCOTT R. HUMPHREY 00:01

That pursuant to information given to the deposition
officer at the time said testimony was taken, the
following includes counsel for all parties of record:

Mr. Lance H. Lubel, Attorney for Plaintiffs

Mr. Scott R. Humphrey, Attorney for Defendant
Radiator Specialty Company

1 I further certify that I am neither counsel for, related
2 to, nor employed by any of the parties or attorneys in
3 the action in which this proceeding was taken, and
4 further that I am not financially or otherwise
5 interested in the outcome of the action.

6 Further certification requirements pursuant to Rule 203
7 of TRCP will be certified to after they have occurred.

8 Certified to by me this 22nd day of January, 2010.

9 *Wendi Broberg*

10 WENDI BROBERG, Texas CSR 7091
11 Expiration Date: 12/31/11
12 Stratos Legal Services, L.P.
13 1001 West Loop South
14 Suite 809
15 Houston, Texas 77027
16 Ph. (713) 481-2180



FURTHER CERTIFICATION UNDER RULE 203 TRCP

The original deposition was/was not returned to the deposition officer on _____;

If returned, the attached Changes and Signature page contains any changes and the reasons therefor;

If returned, the original deposition was delivered to Mr. Lance H. Lubel, Custodial Attorney;

That \$ _____ is the deposition officer's charges to Mr. Lance H. Lubel, Counsel for Plaintiffs, for preparing the original deposition transcript and any copies of exhibits;

That the deposition was delivered in accordance with Rule 203.3, and that a copy of this certificate was served on all parties shown herein on and filed with the Clerk.

Certified to by me this _____ day of _____, _____.



WENDI BROBERG, Texas CSR 7091
Expiration Date: 12/31/11
Stratos Legal Services, L.P.
1001 West Loop South
Suite 809
Houston, Texas 77027
Ph. (713) 481-2180