

1
2 IN THE SUPERIOR COURT OF WARE COUNTY
3 STATE OF GEORGIA

4 -----x
5 ROY KNIGHT AND MILVA KNIGHT,
6 Plaintiffs, CIVIL ACTION FILE NO.
7 -against- 09-v-0799
8 GEORGIA-PACIFIC, LLC, INDIVIDUALLY
9 AND AS SUCCESSOR IN INTEREST
10 TO GEORGIA-PACIFIC CORPORATION
11 AND BESTWALL GYPSUM COMPANY, ET AL.,
12 Defendants.

13 -----x
14 June 2, 2010
15 1:14 p.m.

16
17 DEPOSITION of ROBERT C. ADAMS, MS,
18 CIH, CSP, taken by the Plaintiffs, pursuant to
19 Notice, at the law offices of ALSTON & BIRD, LLP,
20 90 Park Avenue, New York, New York before Karen
21 Perlman, a Shorthand Reporter and Notary Public
22 within and for the State of New York.

23
GREENHOUSE REPORTING, INC.

24 875 Sixth Avenue - Suite 1716

New York, New York 10001

25 (212) 279-5108

1
2 A P P E A R A N C E S:

3 HARTLEY LAW, LLC

Attorneys for the Plaintiffs

4 P.O. Box 2492

Mount Pleasant, South Carolina 29465-2492

5 BY: CHRISTIAN H. HARTLEY, ESQ.

(Via teleconference)

6
7
8 ALSTON & BIRD, LLP

Attorneys for the Defendant Union Carbide Corp.

9 1201 West Peachtree Street

Atlanta, Georgia 30309

10 BY: COLIN K. KELLY, ESQ.

11
12 HAWKINS PARNELL THACKSTON & YOUNG, LLP

Attorneys for the Defendant

13 Scapa Dryer Fabrics, Inc.

4000 SunTrust Plaza

14 303 Peachtree Street, NE

Atlanta, Georgia 30308

15 BY: S. CHRISTOPHER COLLIER, ESQ.

(Via teleconference)

16
17
18 HAWKINS PARNELL THACKSTON & YOUNG, LLP

Attorneys for the Defendant

19 Western Auto Supply Company

4000 SunTrust Plaza

20 303 Peachtree Street, NE

Atlanta, Georgia 30308

21 BY: CHRISTI DICKSON, ESQ.

(Via teleconference)

22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2 A P P E A R A N C E S: (Continued.)
3

HAWKINS PARNELL THACKSTON & YOUNG, LLP

4 Attorneys for the Defendant

National Service Industries, Inc.

5 4000 SunTrust Plaza

303 Peachtree Street, NE

6 Atlanta, Georgia 30308

7 BY: WILLIAM T. WOOD, III, ESQ.

(Via teleconference)

8
9
10 LITTLETON JOYCE UGHETTA PARK & KELLY, LLP

Attorneys for the Defendant Rayonier

11 1 Manhattanville Road, 3rd Floor

Purchase, New York 10577

12 BY: ROBERT L. JOYCE, ESQ.

(Via teleconference)

13
14
15 SWIFT CURRIE MCGHEE & HIERS

Attorneys for the Defendant Hennessy Industries

16 The Peachtree - Suite 300

1355 Peachtree Street, NE

17 Atlanta, Georgia 30309

18 BY: M. DIANE OWENS, ESQ.

(Via teleconference)

19
20
21
22
23
24
25

1 R.C. Adams

2 R O B E R T C. A D A M S, stating an address
3 of ENVIRON International Corp., 214
4 Carnegie Center, Princeton, New Jersey
5 08540, having been first duly sworn by the
6 Notary Public, was examined and testified
7 under oath as follows:

8
9 (Adams Exhibit 1, notice of
10 deposition of Robert C. Adams, marked for
11 identification.)

12 (Adams Exhibit 2, Defendant Union
13 Carbide Corporation's Response and
14 Objections to Plaintiffs' Notice of
15 Deposition of Robert C. Adams, marked for
16 identification.)

17 EXAMINATION

18 BY MR. HARTLEY:

19 Q. Good afternoon, Mr. Adams. Are you
20 a doctor, I didn't notice?

21 A. No, I'm not.

22 Q. So is it Mr. Adams, is that okay
23 with you?

24 A. Mr. Adams is fine.

25 Q. Mr. Adams, what is your profession?

1 R.C. Adams

2 A. I am an industrial hygienist with
3 the firm of ENVIRON.

4 Q. How long have you worked for
5 ENVIRON?

6 A. I've been with ENVIRON now for nine
7 years, not continuous, but nine years total.

8 Q. Do you work with Kenny Crump?

9 A. I know Kenny Crump. But I have not
10 worked with him directly.

11 Q. He is an employee of ENVIRON as
12 well, is he not?

13 A. Yes. I believe he is an employee of
14 ENVIRON.

15 Q. How many consultants does ENVIRON
16 employ?

17 A. ENVIRON has over 1100 consultants
18 worldwide.

19 Q. In what area, besides asbestos,
20 litigation do you do consulting?

21 MR. KELLY: Objection, form.

22 A. Are you referring to me personally,
23 or to ENVIRON as a company?

24 Q. You personally. I apologize for
25 that.

1 R.C. Adams

2 A. No problem.

3 I do consulting in OSHA compliance,
4 specifically OSHA compliance auditing. I do
5 exposure and risk assessment associated with
6 epidemiological studies. I do general industrial
7 hygiene work, including air sampling, and noise
8 monitoring, again, what I would consider the
9 traditional industrial hygiene work.

10 Q. And for what industries have you
11 done that kind of work?

12 A. I've done work for a lot of
13 different industries. The plastics industry.
14 I've done work with the -- I mean, I -- there's
15 probably been hundreds, if not thousands of
16 industrial facilities I've been to in my career.

17 I also do a lot of work in the
18 construction industry as well, and have for --
19 for many years.

20 Q. Other than doing some consulting
21 work for Union Carbide, what other companies have
22 you done consulting work where the topic was
23 asbestos?

24 MR. KELLY: Objection, form.

25 A. I have done consulting work for York

1 R.C. Adams

2 International Corporation. I've done work for
3 Kohler. I've done work for 84 Lumber Company,
4 Fisher Scientific, and VWR. Resillo Press Pad.
5 And there's probably one or two others that
6 escape me at the moment since -- a company called
7 Atlas Insulation, I've done work for.

8 Q. What is VWR? I'm not familiar with
9 that one.

10 A. VWR is a science products company.

11 Q. In your personal work for ENVIRON,
12 what portion of your work, if you can give by
13 percentage, is your work for folks concerning
14 asbestos matters?

15 MR. KELLY: Objection, form.

16 You can answer.

17 A. The approximate percentage is
18 between 60 and 70 percent of my business right
19 now is related to asbestos litigation.

20 Q. Okay. And do you have another
21 portion of your -- what do you do with the other
22 30 to 40 percent of your business work?

23 A. It's generally either compliance
24 auditing, or it may be program -- it's health and
25 safety program development. I do a small portion

1 R.C. Adams

2 of work related to remedial cleanup, developing
3 health and safety plans. And as I mentioned, we
4 do some traditional industrial hygiene work where
5 we go out to plants and collect air samples. And
6 I also do exposure assessment work in support of
7 epidemiology studies that ENVIRON does.

8 Q. Is Kenny Crump still working with
9 ENVIRON; do you know?

10 A. I honestly don't know what his
11 status is. I think he -- I'm not sure if he was
12 ever a full-time employee. But I -- I haven't
13 spoken to Kenny Crump in probably three or four
14 years.

15 Q. And as part of your work at ENVIRON,
16 did you do any consulting work where Kenny Crump
17 was also working for the same client?

18 A. No.

19 Q. Have you done any -- I didn't see
20 the mention of any, but I may not know all of the
21 companies that you have -- I'm not familiar with
22 all of the companies that you've consulted with
23 in asbestos litigation. Have you done any
24 consulting with companies that made or sold
25 asbestos containing joint compounds?

1 R.C. Adams

2 A. Yes. I did a couple of projects for
3 United Gilsonite Laboratories, UGL, and 84 Lumber
4 are the primary companies that I've done
5 consulting work on asbestos litigation matters.

6 Q. You've also done -- I don't know
7 that I got it -- I didn't get it in the list,
8 because I guess I asked you other than Union
9 Carbide.

10 How many times have you worked for
11 Union Carbide?

12 MR. KELLY: Objection, form.

13 A. I think it's probably been about 20
14 times.

15 Q. Since you went to work, what was it,
16 eight years ago or nine years ago for ENVIRON?

17 A. I rejoined ENVIRON in 2003. So it's
18 technically about seven years. I was with
19 ENVIRON previously in the 1990s.

20 Q. So since 2003, has your work
21 remained essentially the same; that is, you've
22 been doing consulting in the first 60 or 70
23 percent of your time in asbestos litigation, or
24 has it changed?

25 A. It has changed. When I first

1 R.C. Adams

2 started, the litigation was a small proportion of
3 the work that I was doing. It's -- it's
4 gradually increased over the last five years.

5 Q. So since 2005 it's increased?

6 A. Yes.

7 Q. Prior to 2005, from 2003 to 2005,
8 were you doing any asbestos litigation?

9 A. Not -- not prior to 2005.

10 Q. And in 2005, who hired you first to
11 do asbestos work?

12 A. That would be the law firm of
13 Hoagland Longo Moran Dunst & Doukas in New
14 Brunswick, New Jersey.

15 Q. Who did they represent?

16 A. At the time they represented a -- I
17 believe it was a small building materials
18 supplier, which I don't remember the name of the
19 building supplier. The case was the Sherrapa
20 case. And subsequently, they retained me to
21 assist with 84 Lumber cases.

22 Q. How many 84 Lumber cases have you
23 worked on?

24 A. I've probably worked on about 20 to
25 25 84 Lumber cases in the last five years.

1 R.C. Adams

2 Q. Did those all involve alleged
3 exposures to a joint compound?

4 A. Yes. Also sometimes floor tile and
5 other building materials. But predominantly it's
6 been joint compound.

7 Q. Do you know somebody named Alan
8 Gerson?

9 A. The name is familiar, but I can't
10 place the name right now.

11 Q. Do you live in New York City?

12 A. No, I do not.

13 Q. I don't know if he still is, but he
14 at one time was on the city council of New York.
15 Does that help at all?

16 A. It -- it may be. It's -- it's
17 possible. The name -- like I said, the name is
18 familiar to me, but I can't place it.

19 Q. Did you ever do any litigation work
20 with a firm called Kelley Drye & Warren?

21 A. No.

22 Q. How about Foley & Lardner?

23 A. No.

24 Q. Now, you have seen a copy, one of
25 the copies of the notice of deposition in this

1 R.C. Adams

2 case, is that right?

3 A. Yes.

4 Q. And Mr. Kelly has been kind enough
5 to say that he would help us mark those documents
6 that we choose to mark those.

7 MR. HARTLEY: Colin, can we mark the
8 notice of deposition as Exhibit 1; and as
9 Exhibit 2 your objection to the notice?

10 MR. KELLY: Done and done.

11 MR. HARTLEY: Thank you.

12 Q. Now, your counsel has been kind
13 enough to provide us with several documents
14 electronically to help us know what you have
15 present there.

16 Did you bring a copy of your report
17 to the deposition?

18 A. I did.

19 MR. HARTLEY: I would like to, by
20 agreement, mark a copy of the report as an
21 electronic only copy that will be attached
22 with the disk to the deposition and also
23 made available to all counsel.

24 MR. KELLY: Agreed.

25 (Adams Exhibit 3, copy of report of

1 R.C. Adams

2 Mr. Adams, marked for identification.)

3 Q. What do you understand your role to
4 be in this case?

5 A. I was retained to review the work
6 history of Mr. Knight and to evaluate the
7 occupational exposures that he may have had to
8 asbestos-containing materials. And to
9 specifically look at any exposures that might
10 have been related to products that may have
11 contained the Union Carbide Calidria of fiber.

12 Q. And does your report contain the sum
13 and substance of your opinions that relate to
14 that?

15 A. It does.

16 Q. Now, your report indicates that you
17 reviewed certain things. On page 2 it indicates
18 the materials relied upon. Is that right?

19 A. That is correct.

20 Q. Are there any other materials that
21 you relied upon, other than what's listed in the
22 table on page 2?

23 A. The only other document that was
24 provided to me, and it was provided after the
25 report was issued, was the deposition of

1 R.C. Adams

2 Mr. Cunningham, George Cunningham. So that
3 deposition is not reflected in this listing. As
4 I said, it was received the day that the report
5 was sent out.

6 Q. There are no other documents that
7 you're going to rely upon to form your opinions
8 in this case?

9 MR. KELLY: Objection, form.

10 A. As far as case-specific materials,
11 no.

12 Q. What about as far as other
13 materials, I guess, I want to make sure --

14 A. The --

15 Q. Let me ask you a different question.
16 What other materials, other than
17 those materials on page 2 of your report, are you
18 relying upon to form your opinions in this case?

19 A. The other materials are the
20 scientific literature that I have provided in the
21 references section of my report, beginning on
22 page 17.

23 And also, I have in my possession
24 formula sheets from Georgia Pacific, the
25 Marietta, Georgia plant; specifically, the

1 R.C. Adams

2 formula sheets related to the ready-mix joint
3 compounds that were manufactured in that plant.

4 Q. Anything else?

5 A. That's it.

6 Q. Have you reviewed any testimony of a
7 gentleman named Charles William Leonard?

8 A. I have previously seen some of
9 Mr. Leonard's depositions, as a result of prior
10 Union Carbide cases that I've been involved with.

11 Q. Do you have any opinions today that
12 you'll be rendering based on what you've read in
13 Mr. Leonard's depositions that you've previously
14 reviewed?

15 MR. KELLY: Objection, form.

16 A. Nothing -- nothing that is specific
17 to Mr. Leonard's testimony, since I have the
18 formula sheets. The previous review of his
19 testimony was related to understanding the use of
20 the Calidria of fiber in the formulation of
21 different Georgia -- Georgia Pacific joint
22 compounds.

23 Q. Now, it indicates here on page 2 of
24 your report that you have employment records of
25 Speedy McVay Plumbing, is that right?

1 R.C. Adams

2 A. Yes.

3 Q. Did you bring those with you?

4 A. Those are on an electronic disk. I
5 don't have a hard copy of those in front of me.

6 Q. What did you take from those, in
7 terms of forming your opinions in this case?

8 MR. KELLY: Objection, form.

9 A. What I -- the purpose there was just
10 to establish the time frame of his employment
11 with this company.

12 Q. You established that and write it
13 down in your report somewhere?

14 A. Yes. We -- there is a -- where we
15 talk about the time frame at which he worked for
16 Speedy McVay which was in the 19 -- 1970 to 1972
17 time frame.

18 Q. Very interesting.

19 MR. HARTLEY: Georgia Pacific says
20 they're not attending the deposition, just
21 so everybody knows.

22 Could we mark as Exhibit 4 to the
23 deposition, Colin, the Speedy McVay --
24 whatever the employment records are from
25 Speedy McVay?

1 R.C. Adams

2 MR. KELLY: Yes.

3 (Adams Exhibit 4, records from
4 Speedy McVay, marked for identification.)

5 Q. Mr. Adams, if I were to walk in the
6 door and tell ENVIRON that I needed the help of
7 an industrial hygienist, and ended up being given
8 your name as the person to talk to at ENVIRON,
9 and I was to interview you and ask you for some
10 references, you would be able to give me
11 references of former clients who would vouch for
12 your abilities, am I right?

13 MR. KELLY: Objection, form.

14 A. Yes.

15 Q. Now, if I were to ask you if you
16 have done any sort of exposure assessment of the
17 type you've done in this report, and I said
18 Mr. Adams, could you give me a reference for an
19 exposure assessment like the one you've done in
20 this report in this case, where you did one such
21 as that for non-litigation work, would you be
22 able to give me such a reference?

23 MR. KELLY: Objection, form.

24 A. Yes. I -- I could give you a
25 reference to non-litigation exposure assessment

1 R.C. Adams

2 work I've done.

3 Q. Where have you done that?

4 A. I have done an exposure assessment
5 associated with, as I mentioned an epidemiology
6 study of porcelain workers exposed to silica, and
7 I could give you the contacts that we worked with
8 as part of that exposure assessment.

9 Q. Who would you give me?

10 A. Well, I --

11 MR. KELLY: Object to the form.

12 A. I could give you a Dr. Karl Heinz
13 Guldner, that's G-U-L-D-N-E-R, who is -- was our
14 contact at the German insurance group. I
15 couldn't pronounce the German terms for it.

16 Q. Was it an insurance company in
17 Germany?

18 A. It's -- yes. It's the insurance
19 industry is more or less state-run. So he was
20 our technical contact for the study that we were
21 doing.

22 Q. Was this something that you did when
23 you were at ENVIRON?

24 A. Yes.

25 Q. And what you're telling me is that

1 R.C. Adams

2 you did some kind of exposure assessment for the
3 group of people that were exposed to silica in a
4 cohort that was being studied?

5 A. Correct.

6 Q. Did you do any individual,
7 person-by-person exposure assessments?

8 A. No, we did them by job
9 classification, or job title.

10 Q. And did you have any data from the
11 job site that helped you do that?

12 A. We had data from a number of
13 different locations that were provided through
14 the -- through the insurance group in Germany.

15 Q. Was the data that you were given
16 specific to the job sites where the cohort, the
17 members of the cohort worked?

18 A. Some yes; some no. Most of it came
19 from the major manufacturing facilities, but we
20 did have a number of job sites that had little or
21 no data available.

22 Q. What was the thesis that was being
23 tested in the epidemiologic study that you were
24 performing?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. They were looking to identify the
3 incidents of lung cancer among the German
4 porcelain workers cohort.

5 Q. Did you find an increased level of
6 lung cancer in the porcelain workers?

7 A. My specific role in that was to
8 prepare the exposure assessment. But it is my
9 understanding they did not find an increase in
10 the incidents of lung cancer in that cohort.

11 Q. You were hired, you being ENVIRON,
12 were hired -- was it a stateside office that was
13 hired to do this evaluation in Germany?

14 A. It -- it came through our -- our
15 Germany office in Essen, through the contacts
16 that we had. But our epidemiologists are
17 stateside. And I was brought in to provide the
18 exposure assessment support for that epidemiology
19 study.

20 Q. Is it fair to say that the kind of
21 exposure assessments that you gave to the folks
22 working on that epidemiological study was very
23 different than what you did here in this case?

24 MR. KELLY: Objection, form.

25 A. No. I applied the same

1 R.C. Adams

2 methodologies in developing exposure assessments
3 in that case as I would in the litigation cases.

4 Q. What monitoring data did you have
5 from anywhere that Roy Knight worked?

6 A. I didn't have monitoring data
7 specific to the locations where Mr. Knight
8 worked. I was relying on the scientific data
9 that's published in the peer-reviewed literature.

10 Q. So in the German epidemiologic
11 study, you had data from the sites where the
12 cohort worked, but in Roy Knight's individual
13 review you did not?

14 MR. KELLY: Objection to form.

15 A. That's correct.

16 Q. The epidemiologic study in Germany
17 was unrelated to litigation, whereas Roy Knight's
18 review was related to litigation, true?

19 MR. KELLY: Objection, form.

20 A. That's correct.

21 Q. The review you did for the folks in
22 Germany was for a group of people. And the
23 review you did in this case was an individual
24 review, true?

25 A. Yes. But let me qualify that,

1 R.C. Adams

2 because the data that we developed would then be
3 used to assign exposure values for the members of
4 the cohort.

5 Q. So you're telling me that you took
6 from various data points where folks worked after
7 studying jobs that were being done and air
8 monitoring had occurred, you created an exposure
9 matrix by job title, true?

10 MR. KELLY: Objection, form.

11 A. Yes. We created job matrix by
12 title.

13 Q. Whereas in this case you didn't have
14 any data points to anchor the specific conditions
15 that Roy Knight was working in to people who had
16 previously been monitored in that same situation,
17 or even in the same statements, true?

18 MR. KELLY: Objection, form.

19 A. I don't quite understand your
20 question.

21 Q. Well, it really comes down to the
22 fact that you had data points for the group in
23 Germany, but you don't have any data points for
24 Roy Knight that relate specifically to where he
25 worked, right?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. We had data points for the silica
4 study. But we didn't have data points for all
5 locations, all occupations, or all years.

6 Q. And you didn't have any data points
7 for Roy Knight at all, right?

8 MR. KELLY: Objection to form.

9 A. I used the available data in the
10 scientific literature to estimate the exposure
11 that Mr. Knight would have had under the
12 circumstances, which he described in his
13 depositions.

14 Q. And you would agree with me that
15 none of the available exposure data from the
16 literature deals with anyplace that Roy Knight
17 was working, right?

18 MR. KELLY: Objection, form.

19 A. In -- in -- what I would agree with
20 is that it was not specific to Mr. Knight;
21 however, it is representative of the exposures
22 that Mr. Knight might have had.

23 MR. HARTLEY: Object as
24 nonresponsive.

25 Q. I'm just asking you if any of the

1 R.C. Adams

2 places studied in any of the literature that you
3 relied on were places that Roy Knight worked?

4 MR. KELLY: Objection, form.

5 A. The material -- the information in
6 the literature do not specifically relate to any
7 location that Mr. Knight worked at.

8 Q. Then the copy of your report that I
9 received also came with a copy of your resume.
10 Is that typically part of your report, or should
11 we mark that separately?

12 A. That is typically part of the
13 report.

14 MR. HARTLEY: Colin, would you make
15 sure that the report that you attached as
16 Exhibit 3 also has his resume on it?

17 MR. KELLY: Yes.

18 MR. HARTLEY: Thank you kindly.

19 MR. KELLY: Yes.

20 Q. I see that you have done some
21 research relating to vinyl chloride, is that
22 true?

23 A. Yes.

24 Q. Vinyl chloride causes angiosarcoma
25 of the liver, correct?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. That's my understanding of the
4 adverse outcome. Yes.

5 Q. That is a signature tumor for
6 excessive vinyl chloride exposure, correct?

7 MR. KELLY: Objection, form.

8 A. That is my understanding. Yes.

9 Q. If you see someone with angiosarcoma
10 of the liver, you, knowing what you know about
11 vinyl chloride, think was this person exposed to
12 vinyl chloride, right?

13 MR. KELLY: Objection, form.

14 A. I don't know if I would have an
15 opinion one way or the other as to whether that
16 would be the first thing I would think about, if
17 somebody presented to me that way.

18 Q. Are there other risk factors for
19 angiosarcoma of the liver that you're aware of?

20 A. I don't know the answer to that
21 question.

22 Q. Did you come to work on vinyl
23 chloride for a defendant in litigation?

24 A. No.

25 Q. How did you come to do that?

1 R.C. Adams

2 A. It's another epidemiology study.

3 Q. Okay. For whom did you do that?

4 A. I -- I am not sure who the immediate
5 client is that we're doing that work for. I am
6 working in collaboration with ENVIRON's
7 epidemiologist on that study, or was working on
8 it.

9 Q. That is something that is being done
10 for a corporate client of ENVIRON, though,
11 correct?

12 MR. KELLY: Objection to form.

13 A. I don't believe it's a corporate
14 client. I believe it's probably an industry
15 association.

16 Q. Some sort of chemical industry
17 association?

18 A. I believe so.

19 Q. You understand, of course, though,
20 that industry associations exist to represent
21 corporate interests, true?

22 MR. KELLY: Objection, form.

23 Assumes facts not in evidence.

24 A. I don't really have an opinion one
25 way or the other on that.

1 R.C. Adams

2 Q. Have you done any work for Dow
3 Chemical?

4 MR. KELLY: Objection, form.

5 A. Not for Dow Chemical, no.

6 Q. Any entity with the word Dow in its
7 name?

8 A. No.

9 Q. What chemical companies have you
10 done work for?

11 MR. KELLY: Objection, form,
12 relevance.

13 A. I -- I mean, right off the top of my
14 head, let me -- I've done work for a number of
15 small companies, but I can't -- may I look at my
16 resume real quick?

17 Q. Sure. This is just an open book
18 test, so anything you want to look at is fair
19 game.

20 A. Because I'm trying -- you know, when
21 I think about -- when I think about some of the
22 refinery work I've done, I don't know if you
23 count them as a chemical company.

24 Q. It's up to you whether you consider
25 them a chemical company or not.

1 R.C. Adams

2 A. I'm just having a hard time right
3 now recollecting a specific chemical company that
4 I've done work for.

5 Q. If you think of them as we go along,
6 let me know, unless you think you might be able
7 to figure it out by looking at some of your
8 resume still.

9 A. I'll let you know if they come to
10 mind.

11 Q. Now, on page 9 of your resume, it
12 indicates some of your prior employment, is that
13 right, before joining ENVIRON?

14 A. Yes.

15 Q. Was your first work as an industrial
16 hygienist at Liberty Mutual?

17 A. Yes.

18 Q. What did you do before you joined
19 Liberty Mutual?

20 A. I was pretty much out of college at
21 that point. So I had about a year where I was
22 just working for some small companies, and then I
23 joined Liberty Mutual, and I worked for them for
24 about 10 years.

25 Q. What kind of small companies did you

1 R.C. Adams

2 work for before Liberty Mutual?

3 A. I worked for an analytical chemistry
4 laboratory. And I also worked for a company that
5 manufactured x-ray development chemicals.

6 Q. Did you do industrial hygiene work
7 for the analytical chemistry laboratory or the
8 x-ray development chemicals company?

9 A. No, I did not.

10 Q. How did you get interested
11 in industrial hygiene?

12 A. Liberty Mutual had industrial
13 hygienists on staff. And I was offered the
14 opportunity to enter the industrial hygiene
15 training program, which I did in 1986.

16 Q. So to date this, if I were to date
17 it, that would be 1986 is when you went to work
18 for Liberty Mutual?

19 A. No. I started working for Liberty
20 Mutual in 1982.

21 Q. And so it was before 1982 that you
22 worked in the analytical chemistry lab and the
23 x-ray development company?

24 A. Sir, let me correct that. I started
25 with Liberty Mutual in 1981. Prior to 1981, I

1 R.C. Adams

2 worked for the analytical laboratory and the
3 chemical manufacturer.

4 Q. Now, at the x-ray development
5 chemical company -- is that what you said it was?

6 A. Yes.

7 Q. -- did you work with any hazardous
8 materials?

9 MR. KELLY: Objection, form.

10 A. Yes.

11 Q. What sorts of hazardous materials?

12 A. The x-ray chemicals were acidic in
13 nature. And so we had to wear protective
14 equipment when we handled those materials.

15 Q. Do you remember what kind of acid it
16 was?

17 A. I don't.

18 Q. When you went to work there, were
19 you provided training as to how to protect
20 yourself and other folks from getting injured by
21 the chemicals that were in the facility?

22 A. I don't recall that there was any
23 specific safety training provided.

24 Q. Did the company that provided --
25 what was the name of the x-ray development

1 R.C. Adams

2 company?

3 A. I believe the name was Picker,
4 P-I-C-K-E-R, Chemical.

5 Q. Did Picker provide any kind of data
6 about the hazards of the materials that it was
7 selling to its customers?

8 A. I have no knowledge of that.

9 Q. You never, to your recollection, saw
10 a material safety data sheet, or anything like
11 that?

12 A. I don't recall ever seeing a
13 material safety data sheet.

14 Q. How about the analytical chemistry
15 lab, did you have any kind of training about how
16 to do your job safely there?

17 A. No.

18 Q. Were there hazardous materials used
19 at the analytical chemistry lab?

20 MR. KELLY: Objection to form.

21 A. There were some laboratory-grade
22 products that were used in some of the analytical
23 procedures that we followed. But I would say
24 generally, no.

25 Q. In 1981 you went to work at Liberty

1 R.C. Adams

2 Mutual; what did you do there?

3 A. I started out as a loss prevention
4 consultant, and primarily conducted facility
5 inspections on behalf of the underwriting
6 department to identify potential risks that might
7 be present in the workplaces.

8 Q. Did you have a training program to
9 serve as a loss prevention consultant?

10 A. Yes. Liberty Mutual had what
11 amounted to about a year-long training process
12 that involved both classroom as well as
13 on-the-job training.

14 Q. One of the things that you learned
15 about in 1981 from Liberty Mutual was that
16 asbestos containing materials in the facility
17 were a potential hazard, true?

18 MR. KELLY: Objection, form.

19 Assumes facts not in evidence.

20 A. We learned that one of the materials
21 that was of interest to our underwriting
22 department would be asbestos materials.

23 Q. Right. You learned that asbestos
24 was, according to your employer at Liberty
25 Mutual, a carcinogen, true?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. I -- you know, at that early stage,
4 we were looking at many different chemicals. And
5 whether or not we specifically discussed asbestos
6 as a carcinogen at that stage, I don't recall.

7 Q. Liberty Mutual knew in 1981 that
8 asbestos was a carcinogen, didn't it?

9 MR. KELLY: Objection, form.

10 A. I -- I am certain that they did.
11 But, again, there were many, many topics that we
12 had to cover as part of our fundamental training,
13 in that first year.

14 Q. Did you have like an area of the
15 country that you were limited to in your work as
16 a loss prevention consultant?

17 A. I started working in Maryland, and
18 that was the territory that I was assigned to.

19 Q. Did you go to any large industrial
20 facilities in Maryland?

21 A. Yes.

22 Q. Which ones?

23 A. There were lots of facilities.
24 Liberty Mutual was and may still be the largest
25 writer of workers' compensation insurance, so I

1 R.C. Adams

2 went to a lot of large facilities. A lot of
3 small facilities. One that I can immediately
4 think of was the Genstar Stone Products Company.
5 It was a large limestone quarrying interest. I
6 went to a Congoleum flooring plant. This was a
7 lot of years ago.

8 Q. But you remember it, don't you,
9 going to that -- for instance, Congoleum flooring
10 plant that was something that sticks out in your
11 mind, right?

12 A. Yes.

13 Q. Did you ever go to any shipyards in
14 Baltimore; Sparrows Point or any of the other
15 shipyards?

16 A. I was at actually the Dundalk,
17 D-U-N-D-A-L-K, Marine Terminal, which I believe
18 is not too far away from Sparrows Point. I have
19 been in shipyards, and I was -- I was in the
20 Sparrows Point shipyard at one point in time.
21 But I don't rec -- I don't recall the company.

22 Q. It would have been Bethlehem Steel,
23 wouldn't it?

24 MR. KELLY: Objection, form.

25 A. I was on site at the Bethlehem Steel

1 R.C. Adams

2 facility, but not for Bethlehem Steel.

3 Q. In the Congoleum flooring facility,
4 they were using asbestos to make floor tile,
5 true?

6 MR. KELLY: Objection, form.

7 A. That plant had one process that used
8 asbestos not in floor tile, but in flooring
9 paper.

10 Q. And that was something that Liberty
11 Mutual was concerned about making sure that
12 people weren't exposed to the asbestos from that
13 flooring paper, right?

14 MR. KELLY: Objection, form.

15 Speculation.

16 A. We conducted industrial hygiene
17 measurements of the operation to determine if it
18 was in compliance with the occupational health
19 and safety regulations in force at that time.

20 Q. And the reason you did that was
21 because of the concern for the potential
22 injurious effects of asbestos if people were
23 overexposed, right?

24 MR. KELLY: Objection, form.

25 A. We were asked to evaluate that by

1 R.C. Adams

2 the company to determine if they were in
3 compliance with the OSHA permissible exposure
4 limits.

5 Q. And why do the OSHA permissible
6 exposure limits exist?

7 A. They were established to provide a
8 guideline for limiting exposures to minimize the
9 risk of potential injury or illness.

10 Q. One of the potential hazards of
11 overexposure to asbestos is mesothelioma, true?

12 MR. KELLY: Objection, form.

13 A. That can't be answered by a straight
14 yes or no. The level of exposure depends on a
15 number of factors, including, you know, the fiber
16 type, the fiber size, the intensity and duration
17 of exposure.

18 Q. Have you done any original research
19 into the hazards of asbestos?

20 A. I have not.

21 Q. You rely, as an industrial
22 hygienist, on the government regulations to guide
23 you as to how a workplace should be evaluated,
24 true?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. That's one of the pieces of
3 information that I rely on in the evaluation of a
4 workplace, yes.

5 Q. If you were called upon to go into a
6 facility, any facility, as an industrial
7 hygienist and evaluate potential hazards, and you
8 found out that people today were using asbestos
9 containing joint compound, you would flag that as
10 a potential hazard, true?

11 A. Not necessarily.

12 Q. If people were using asbestos
13 containing joint compound, and your measurements
14 indicated that the PEL exceeded, the current PEL
15 is exceeded, would you then flag it as a
16 potential hazard?

17 MR. KELLY: Objection to form.

18 A. I would have to look at it more
19 closely, in terms of the exposure conditions, as
20 well as the constituents of the joint compound,
21 work methods, and evaluate if there would be a
22 need for additional controls, or if there would
23 be a need for, perhaps, additional study, because
24 it's possible, depending on the methodologies
25 used, that we might be picking up more than --

1 R.C. Adams

2 than just asbestos fibers, because of the nature
3 of joint compound.

4 Q. What more information would you need
5 to know?

6 MR. KELLY: Objection, form.

7 A. Well, I would -- I would probably
8 have those samples reanalyzed by transmission
9 electron microscopy to determine if, in fact,
10 they were -- all the fibers that were being
11 counted were, in fact, asbestos fibers.

12 Q. And can you think of anyplace that
13 you could -- any former client that you can refer
14 me to where you found an exceedance of the OSHA
15 PEL at any time during your history where you did
16 not inform them of the potential carcinogenic
17 hazards of asbestos?

18 MR. KELLY: Objection, form.

19 A. I don't understand your question.

20 Q. I'll try to rephrase it.

21 A. Thank you.

22 Q. If I were to ask you for a reference
23 where you have done an industrial hygiene survey,
24 and found an exceedance of the OSHA PEL at the
25 time when you did the survey, where you did not

1 R.C. Adams

2 inform your client of the potential carcinogenic
3 hazards of asbestos, would you be able to refer
4 me to such a client?

5 MR. KELLY: Objection, form.

6 A. You're talking about only sampling
7 for asbestos?

8 Q. I'm talking about where you were
9 sampling and you found an exceedance of the PEL
10 for asbestos, where you didn't tell the client
11 that there was a potential hazard relating to
12 that exceedance?

13 MR. KELLY: Objection to form.

14 A. We would provide a report that would
15 detail the results of the findings; in other
16 words, if it was or was not in excess of the
17 permissible exposure limit, and we provided them
18 guidance to specific information, either related
19 to the OSHA regulation or ACGIH TLV and advised
20 them that they should review that information and
21 they should take appropriate steps to reduce the
22 exposures to below the permissible exposure
23 limits.

24 Q. So are you able to indicate to me
25 any client that you've ever told there was no

1 R.C. Adams

2 hazard, even though the PEL for asbestos was
3 exceeded?

4 MR. KELLY: Objection, form.

5 A. Well, first off, I have not had that
6 many surveys that I've been involved in where we
7 have found an exceedance of the asbestos
8 permissible exposure limit. So I can't give you
9 a client where I would have provided that
10 information to.

11 Q. Well, there have been some, I guess,
12 in your career, right?

13 MR. KELLY: Objection, form.

14 A. My recollection at this point, in
15 terms of evaluating asbestos exposures is no.

16 Q. Now, you went through a training
17 course at Liberty Mutual to become an industrial
18 hygienist, right?

19 A. Yes.

20 Q. How long had you been working at
21 Liberty Mutual as a loss prevention consultant
22 before you embarked on that training program to
23 become an industrial hygienist?

24 A. It was five years.

25 Q. Where did you do your training to

1 R.C. Adams

2 become an industrial hygienist?

3 A. That training was provided in
4 Hopkington, Massachusetts.

5 Q. Did you have to move there from --
6 were you in Maryland working for Liberty Mutual?

7 A. Actually, I had moved to -- back to
8 New Jersey. And then I went to Hopkington. I
9 didn't have to move to Hopkington, but I spent a
10 month there during the industrial hygiene
11 training school.

12 Q. So the totality of your training to
13 become an industrial hygienist took a month?

14 MR. KELLY: Objection to form.

15 A. That was just the classroom portion
16 of the industrial hygiene training school.
17 Again, like the previous training, it was about a
18 year under the direction of a certified
19 industrial hygienist afterwards.

20 Q. So one month of classroom training,
21 and 11 more months, or was there 12 more months
22 of supervision by a certified industrial
23 hygienist?

24 A. It would have been about an 11-month
25 period after the class. So it was a total of a

1 R.C. Adams

2 year from start to finish.

3 Q. Who was the CIH that you were
4 operating under for that year?

5 A. Mike Ramsey.

6 Q. Do you stay in touch with Mike?

7 A. I see him probably once a year.

8 Q. Is he still working for Liberty
9 Mutual?

10 A. He's retired now.

11 Q. Does he live in New Jersey?

12 A. He lives in Pennsylvania.

13 Q. And what sorts of things did you
14 study in your one month of classroom training?

15 A. We pretty much covered the entire
16 field of industrial hygiene from air monitoring,
17 different -- different techniques, methods. We
18 had courses in analytical chemistry. We had
19 courses in radiation and noise. It's pretty much
20 a very intensive one month of training to get
21 through the industrial hygiene school, plus we
22 had to pass a final examination.

23 Q. How much of the one month of
24 classroom training was spent on asbestos, if any?

25 A. Probably a couple of hours.

1 R.C. Adams

2 Q. You learned, at that time, that
3 asbestos was a carcinogen, true?

4 MR. KELLY: Objection, form.

5 A. We learned about the health hazards
6 of asbestos, including the fact that it was being
7 classified as a carcinogen.

8 Q. You learned that it could cause
9 mesothelioma, right?

10 MR. KELLY: Objection, form.

11 A. We -- we learned that asbestos could
12 cause mesothelioma, particularly the amphibole
13 form, A-M-P-H-I-B-O-L-E, form.

14 Q. Liberty Mutual treated all forms of
15 asbestos as potential carcinogens, didn't it?

16 MR. KELLY: Objection, form
17 speculation.

18 A. I -- you're suggesting that they had
19 some sort of policy out there. I'm not aware
20 that they had any given policy. They accepted
21 what was established by the regulations and the
22 guidances of organizations like the American
23 conference of governmental and industrial
24 hygienist.

25 Q. Right. And the ACGIH took the

1 R.C. Adams

2 position that all forms of asbestos including
3 Chrysotile asbestos could cause mesothelioma,
4 true?

5 MR. KELLY: Objection, form.

6 A. The ACGIH documentation --
7 documentation does recognize that there is a
8 greater potency with respect to the amphibole
9 forms. But they've only established a single
10 exposure limit, threshold limit value.

11 MR. HARTLEY: I object to the
12 nonresponsive portion.

13 Q. The ACGIH takes the position that
14 Chrysotile asbestos is a potential carcinogen,
15 correct?

16 MR. KELLY: Objection, form.
17 Unlimited as to year.

18 A. Could you repeat the question?

19 Q. Sure. The ACGIH takes the position
20 that Chrysotile asbestos is a potential cause of
21 mesothelioma, correct?

22 A. The ACGIH does identify that there
23 are questions about the level of which Chrysotile
24 does cause mesothelioma. But they do identify
25 that it -- it is identified that it could cause

1 R.C. Adams

2 mesothelioma.

3 Q. As you've said, there is only one
4 level, exposure level recommended by the ACGIH,
5 despite the fact that there are many different
6 kinds of asbestos, true?

7 MR. KELLY: Objection, form.

8 A. That is correct. Today.

9 Q. Correct.

10 Now, other than the two hours or so
11 of asbestos classroom training that you had at
12 Liberty Mutual, did you have any other specific
13 training about the hazards of asbestos?

14 A. Not that I recall specifically.

15 Q. If I were to go to Liberty Mutual, I
16 would be able to find in their historic files
17 report that you had issued based on your work as
18 a loss prevention consultant, and then as an
19 industrial hygienist, right?

20 MR. KELLY: Objection, form.

21 Assumes facts not in evidence.

22 A. I don't know one way or the other.
23 They had a document retention policy. I -- I'm
24 not sure whether you could find any reports or
25 not.

1 R.C. Adams

2 Q. When you were at Liberty Mutual, you
3 had access to particular sites' historic files,
4 right? For instance, if you had an insured and
5 you were going to the workplace, you would go
6 prior to going to inspect a site and look over
7 what you had, in terms of documentation from
8 before you visited the site, right?

9 MR. KELLY: Objection, form.

10 A. I would have whatever was in the
11 insured file, again, pursuant to the existing
12 document retention policy.

13 Q. And the document retention policy,
14 when it came to industrial hygiene surveys, was
15 to keep them forever, wasn't it?

16 MR. KELLY: Objection, form.

17 A. No.

18 Q. What do you remember that to be,
19 that policy to be?

20 A. It was a three-year policy.

21 Q. So it was Liberty Mutual's position
22 that it would keep an industrial hygiene survey
23 for only three years, even if there was a long
24 latency period between the development, potential
25 development of disease that was being -- with the

1 R.C. Adams

2 hazard being sampled for?

3 MR. KELLY: Objection to form.

4 A. I don't understand the --

5 Q. Let me break it down, because it was
6 a terrible question.

7 You understand that there is a long
8 latency period between exposure to asbestos and
9 the development of any disease that might
10 develop, right?

11 MR. KELLY: Objection, form.

12 A. Yes.

13 Q. It's on the order of years typically
14 when it comes to any of the fatal diseases, like
15 asbestosis, lung cancer, mesothelioma, right?

16 A. Yes, it is, yes.

17 Q. And if you're trying to keep any
18 kind of tabs on what the risks are of a disease
19 with a long latency period, you need to keep your
20 sampling data for longer than three years,
21 wouldn't you?

22 MR. KELLY: Objection, form.

23 A. I can't speak to the rationale
24 behind Liberty Mutual's policy on document
25 retention, whether or not documents would be kept

1 R.C. Adams

2 longer for whatever reason.

3 The documents were prepared and
4 supplied to our customers, and the document
5 policy is what it was.

6 Q. Just so you and I have an
7 understanding, though, that wouldn't make any
8 sense if you were trying to identify the
9 potential risks, as an insurer, for a disease
10 with a long latency period, such as cancer caused
11 by asbestos, right?

12 MR. KELLY: Objection, form.

13 A. As I stated, the document policy was
14 -- the retention policy was what it was.

15 Q. Right.

16 And I'm asking you a different
17 question, which is whether that made sense to
18 you?

19 MR. KELLY: Objection, form.

20 A. I -- I'm not -- I don't have an
21 opinion, one way or the other on that.

22 Q. Well, you know that if you don't
23 keep track of the exposure data, then you won't
24 have any idea how many people were exposed later
25 on in time, right?

1 R.C. Adams

2 A. I -- again, I'm not sure I follow
3 your question.

4 Q. Sure. Again, it probably needed to
5 be rephrased.

6 A. It's okay.

7 Q. If you don't keep the data, there is
8 no way for Liberty Mutual -- let's say Liberty
9 Mutual has this data on people exposed to
10 asbestos. If you keep it for only three years,
11 you're not going to be able to 10, 20, 30 years
12 later know how much those folks were exposed to,
13 at least based on the data that you gather, true?

14 A. It was -- Liberty Mutual wasn't in
15 the business of, you know, collecting data for
16 the sake of collecting data for future, you know,
17 exposure studies. They were collecting those
18 data for the purposes of advising their customers
19 if there were risks associated with their
20 operations and to, you know, take corrective
21 actions to address those risks.

22 Q. And they also used that data, didn't
23 they, to evaluate how much they should charge
24 their customers going forward, true?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. That was part of the underwriting
3 decision process, yes.

4 Q. I mean, you were taking air
5 measurements first to tell the employers or the
6 site owners that there was a hazard that they
7 should reduce, if it were a hazard, if there were
8 a hazard found; and secondly, so Liberty Mutual
9 could know how much to charge these people going
10 forward because of the risk, right?

11 MR. KELLY: Objection to form.

12 A. As I stated, that would be part of
13 the process of evaluating a potential
14 policyholder, yes.

15 Q. Shifting gears here, you're not an
16 expert in medicine, are you?

17 A. No, I am not.

18 Q. You don't, as an industrial
19 hygienist, you don't diagnose disease, right?

20 A. No.

21 Q. You don't give opinions to a
22 reasonable degree of medical certainty as to what
23 caused a particular disease, do you?

24 A. No.

25 Q. What does an industrial hygienist

1 R.C. Adams

2 do?

3 A. An industrial hygienist evaluates
4 potential exposures to substances; chemical
5 substances, physical substances, radiologic
6 substances; compares the information that it has
7 gathered to available data related to exposure
8 limits, to assess the level of risk that could
9 lead to an adverse health effect.

10 Q. You understand that the current OSHA
11 PEL, that OSHA estimates that there would be a
12 substantial excess risk of cancer, true?

13 MR. KELLY: Objection to form.

14 A. I understand that OSHA has stated in
15 its preambles that to the effect that they
16 believe that there is some additional risk
17 associated with exposure at the PEL. But keep in
18 mind that the PEL is for all forms of asbestos.

19 Q. Right.

20 So if the PEL means that you
21 can't -- the Chrysotile asbestos can't go over
22 0.1 fibers per CC time-weighted average, right?

23 A. Correct.

24 Q. It also means that you -- the same
25 level applies to amosite asbestos or crocidolite

1 R.C. Adams

2 asbestos or tremolite asbestos, correct?

3 A. Correct.

4 Q. Now, in an industrial setting, where
5 a worker has worked over many, many years, it's
6 highly likely that they were exposed to more than
7 one kind of asbestos at work, right?

8 MR. KELLY: Objection, form.

9 A. I -- I -- it would depend on the
10 facility. I can't -- that's a very general
11 question. I cannot give you an opinion
12 specifically.

13 Q. As part of your learning process at
14 Liberty Mutual, did you learn, come to find out
15 that there had been, over the years, different
16 ways to monitor for asbestos?

17 A. I knew that the -- you know, that
18 the methodologies had changed over time. Yes.

19 Q. And did you learn that the
20 methodologies had changed over time because the
21 earlier methodologies were not accurate?

22 MR. KELLY: Objection, form.

23 A. I was never told that, no.

24 Q. Why have the methodologies for
25 measuring for asbestos changed?

1 R.C. Adams

2 A. I -- you know, as far as
3 historically, that the fiber counting method was
4 just an improvement over previous methods and
5 that's why they changed.

6 Q. How was it an improvement?

7 A. The -- because we were actually
8 looking at, you know, fibers at this point, when
9 we started doing the -- the light microscopy
10 fiber-counting methods.

11 Q. Have you ever heard of a midget
12 impinger?

13 A. Yes. I've actually used midget
14 impingers.

15 Q. What have you used one for?

16 A. I used midget impingers to sample
17 primarily for formaldehyde.

18 Q. Are you talking about the thing
19 where you would like crank a crank and suck air
20 through water and all of that stuff?

21 A. Well, I didn't have a hand crank
22 pump that you're referring to, but yes, I did
23 have to suck air through a bubbler.

24 Q. You know that back in the early
25 days, in the 1940s, '50s and '60s, they were

1 R.C. Adams
2 using hand crank pumps to run midget impingers,
3 right?

4 MR. KELLY: Objection to form.

5 A. Yes.

6 Q. And that is a potential for error in
7 measuring air contents with a midget impinger,
8 correct?

9 MR. KELLY: Objection to form.

10 A. Yes.

11 Q. The reason that is is because in
12 order to get an accurate measurement, you have to
13 have a consistent volume of air sucked through
14 the midget impinger, correct?

15 A. That is true with all sampling
16 methods.

17 Q. Right.

18 But the hand cranked method was
19 especially problematic, because people tend to
20 crank the hand crank at different speeds,
21 depending on who they are, how tired they are,
22 how strong they are, and whatnot, true?

23 MR. KELLY: Objection to form.

24 A. Yes.

25 Q. I figured as much.

1 R.C. Adams

2 You already alluded to one problem
3 that was with the midget impinger methodology
4 which was that it counted not just asbestos
5 fibers, but it also counted all other particulate
6 that were taken into the midget impinger, true?

7 A. I don't believe I said that.

8 Q. You alluded to it. I don't think
9 you said exactly that. You said that it counted
10 -- that the filter membrane method was better, I
11 think, because it actually counted asbestos. But
12 I thought that -- maybe you weren't -- let me ask
13 you again.

14 Do you agree that the midget
15 impinger methodology counted everything that was
16 in the air, not just asbestos?

17 A. Yes.

18 Q. It was a total dust measurement,
19 rather than an asbestos measurement, right?

20 A. Yes.

21 Q. There was no use in historic times
22 for any kind of conversion factor for the midget
23 impinger measurements to -- in the workplace to
24 identify what percentage was asbestos, right?

25 A. I'm not sure when you say in the

1 R.C. Adams

2 historic time. Can you put a time period on
3 that, please?

4 Q. Sure. Back when the ACGIH TLVs were
5 the only recommended standards out there. And I
6 guess that is prior to, say, 1968, isn't it?

7 A. Yes.

8 Q. Back then, there was no procedure
9 for you take an air measurement, get a number of
10 millions of particles per cubic foot of air and
11 then divide it by what you think the percentage
12 of asbestos is to come up with the asbestos
13 count.

14 You just compared the total dust
15 count to the TLV, right?

16 A. That's my understanding of what was
17 done, yes.

18 Q. And in that sense, two different
19 environments with the same number of millions of
20 particle per cubic foot might have very different
21 amounts of asbestos in the air, true?

22 A. Again, it's a confusing question.
23 It would depend on what environments you're
24 talking about. Are we talking --

25 Q. Right. Well, let's just talk about

1 R.C. Adams

2 it this way: Assuming that we have two different
3 environments, they both have 6 million particles
4 per cubic foot of air measured, and we're
5 assuming here also that there was a proper midget
6 impinger method where the rates that are flowing
7 through the midget impinger machinery was the
8 same and was as prescribed so you got an accurate
9 count.

10 If you had one environment where 50
11 percent of the dust was asbestos, and one
12 environment where 20 percent of the dust was
13 asbestos, the counts would be the same, 6 million
14 particles per cubic foot, but the asbestos
15 content would be much greater in one than in the
16 other, right?

17 MR. KELLY: Objection to form.

18 A. As you've stated the scenario, yes.

19 Q. And that is why switching to the
20 filter membrane method was an improvement,
21 because what you were really doing was counting
22 the hazard of concern, the asbestos fibers,
23 right?

24 A. That is not correct.

25 Q. Why not?

1 R.C. Adams

2 A. Because the filter counting method
3 does not specifically count asbestos. It counts
4 only fibers that meet a certain size and shape
5 definition. They could or could not be asbestos.

6 Q. Well, you understand, don't you,
7 that the -- well, that is fair enough.

8 The ACGIH methodology for counting
9 dust that contained asbestos to determine whether
10 there was compliance with the TLV had a counting
11 protocol, didn't it?

12 A. I'm not -- I'm not a hundred percent
13 familiar with the actual counting protocol
14 itself.

15 Q. Do you think that there was an
16 improvement in measuring the potential hazard of
17 asbestos in the air when there was a switch from
18 the use of the PLVs to the filter membrane method
19 under the -- you know, say in 1968?

20 MR. KELLY: Objection, form.

21 A. I would -- I -- I believe that the
22 method that we're currently using, the fiber
23 count -- the filter counting method is -- is an
24 improvement, yes.

25 Q. And why?

1 R.C. Adams

2 A. Because the standard once it was
3 established in the units of fibers per CC would
4 be -- you know, that would be the method that
5 would be appropriate to use to count.

6 Q. Have you read Hodgson and Darnton
7 2000?

8 A. I have read it.

9 Q. And is it fair to say that you are
10 not an expert in asbestos risk assessment?

11 MR. KELLY: Objection, form.

12 A. I -- I'm unclear when you say
13 "asbestos risk assessment."

14 Q. Well, Hodgson and Darnton performed
15 an assessment risk assessment in that 2000
16 article, is that true?

17 A. Yes.

18 Q. And there was a lot of complex
19 mathematical calculation that went into that risk
20 assessment, right?

21 A. Yes.

22 Q. Are you qualified to explain that to
23 a jury?

24 A. I can explain all of the Hodgson and
25 Darnton methodologies. I'm familiar with the

1 R.C. Adams

2 techniques, but I would not explain them to a
3 jury.

4 Q. Do you know what a meta-analysis is?

5 A. Yes.

6 Q. What is it?

7 A. A meta-analysis is where you would
8 take a number of different studies, you look for,
9 for example, where there are differences in the
10 studies, you'd look for where there are
11 similarities in the studies. And if you can
12 combine a group of studies to essentially give
13 you one large study, that's more powerful than
14 any individual or single study would be.

15 Q. In order to do that, you've got to
16 have a common language for the exposure
17 assessment portion of it, right? I mean, you
18 can't take midget impinger measurements of
19 asbestos and mash them in with filter membrane
20 method -- measurements of asbestos and come up
21 with a meaningful language about the risk, can
22 you?

23 MR. KELLY: Objection, form.

24 A. I would disagree with that.

25 Q. Why?

1 R.C. Adams

2 A. Well, there are ways of developing
3 conversion factors that can be used to achieve,
4 as you refer to as a common language. And that
5 is -- is something that, you know, has been
6 looked at. In fact, I was involved -- well, I
7 wasn't involved directly, but I know that it's
8 been done in the silica study I referred to
9 earlier.

10 Q. Have you testified to a reasonable
11 scientific certainty in court about guesstimates?

12 MR. KELLY: Objection, form.

13 A. I don't know what you're referring
14 to specifically.

15 Q. Well, I mean, do you rely on guesses
16 in forming your opinions that you give to juries
17 in court?

18 MR. KELLY: Objection to form.

19 A. When I review any particular study,
20 report, I evaluate the methodologies used by the
21 individual to arrive at their exposure estimates.
22 If I feel that they are sound and solid, then I
23 rely on them.

24 MR. HARTLEY: That wasn't my
25 question, however, so I object.

1 R.C. Adams

2 Q. I'm asking you will you rely on a
3 guess to form your opinions in this case?

4 MR. KELLY: Objection, form.

5 A. Could you give me an example?

6 Q. I can't -- I want to know if you're
7 willing to rely on guesses to give your opinions
8 on anything?

9 MR. KELLY: Objection.

10 Q. Would you guess on something that
11 you think is reliable enough to offer opinions
12 based on to the jury?

13 MR. KELLY: Objection to form.

14 A. If you're referring to Appendix B in
15 Hodgson and Darnton, I would.

16 Q. And what's your basis for that?

17 A. I reviewed the entire record in
18 Appendix B, and I concurred with his assessment.

19 Q. Who is "he"?

20 A. That is the gentleman who evaluated
21 the crocidolite data from the -- from the
22 cigarette filter manufacturer.

23 Q. When did you do that review?

24 A. I've read Hodgson and Darnton, as I
25 mentioned previously.

1 R.C. Adams

2 Q. I know.

3 When did you do that review that you
4 came to the conclusion that Appendix B was
5 correct?

6 MR. KELLY: Objection, form.

7 A. I said that I agreed with Appendix
8 B. I agreed with what he did. I didn't have an
9 objection to his approach.

10 Q. Okay. That's fine.

11 When did you come to that agreement?
12 I'm asking you when you did it.

13 A. I can't give you a specific date.

14 Q. Was it in the last month?

15 A. No. It's been prior to that.

16 Q. Within the last year?

17 A. I guess it's been in the last year.

18 Q. When did you last look at Hodgson
19 and Darnton's paper?

20 A. Within the last month, I'm sure.

21 Q. Within the last week?

22 A. I don't know. I can't recollect
23 immediately if I looked at it in the last week or
24 not.

25 Q. Did you look at it yesterday?

1 R.C. Adams

2 A. No.

3 Q. Did you look at it today?

4 A. No.

5 Q. Did you look at it in preparation
6 for this deposition?

7 A. I probably did, because originally
8 this deposition was -- was -- was scheduled over
9 a month ago, I believe, which is probably when I
10 last looked at it.

11 Q. Did someone suggest that you look at
12 it, because I was going to ask you questions
13 about it?

14 A. I -- I know -- I -- I have been
15 aware that, you know, that it's come up as an
16 issue previously.

17 Q. So the answer is yes, somebody told
18 you to review it because the lawyers would likely
19 question you about it?

20 MR. KELLY: Objection, form.

21 A. No, that I understand that it's been
22 an issue previously.

23 Q. How did you come to that
24 understanding?

25 A. I honestly couldn't tell you. It

1 R.C. Adams

2 may have come up in discussions about litigation.

3 Q. Do you have a copy of Hodgson and
4 Darnton there?

5 A. I do not.

6 Q. Do you not know who Dr. G. Burdett
7 is?

8 MR. KELLY: Objection, form.

9 A. That's the name of the gentleman who
10 prepared the Appendix B to the Hodgson and
11 Darnton, which I couldn't recall previously.

12 Q. And what is Dr. G Burdett's
13 background?

14 A. I do not know.

15 Q. It doesn't matter to you what
16 qualifications the person had who wrote the
17 portion of the Hodgson and Darnton article that
18 you think is reliable, right?

19 MR. KELLY: Objection to form.

20 A. It's in the peer-reviewed
21 literature. I'm satisfied and I don't feel it's
22 all that relevant.

23 Q. So if somebody is published in the
24 peer-reviewed literature, their background isn't
25 that important to you, is that fair?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 Misstates prior testimony.

4 A. As I stated before, I review the
5 literature. And if I find it to meet, you know,
6 good scientific methodologies, accepted
7 methodologies in the practice, that's the
8 judgment that I -- that I use.

9 Q. And it's fair to say that if you're
10 relying on the Hodgson and Darnton risk
11 assessment from 2000 that you've cited in your
12 report, that you're relying at least, in part, on
13 a guesstimate, true?

14 MR. KELLY: Objection, form.

15 A. I disagree with that.

16 Q. The numbers that Hodgson and Darnton
17 used for the Massachusetts cigarette filter
18 cohort were based on the guesstimate that's set
19 forth by G. Burdett in section B of Hodgson and
20 Darnton's paper, true?

21 MR. KELLY: Objection, form.

22 A. That's one of the studies that they
23 used in their risk assessment.

24 Q. And Dr. Burdett talks about his
25 numbers as his best guesstimate, doesn't he?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. That's the term he uses.

4 Q. And you're comfortable in using a
5 guesstimate in forming your opinions in this
6 case, right?

7 MR. KELLY: Objection, form.

8 A. I believe I've already answered that
9 question.

10 Q. The answer is yes?

11 MR. KELLY: Objection, form.

12 A. The answer was yes, because I had
13 reviewed what he had written and I agreed with
14 it.

15 Q. I guess I'm having trouble
16 understanding how you can know whether he's right
17 or not because he just reports what he did but
18 without giving the data, how to do that?

19 MR. KELLY: Objection, form.

20 A. Again, I stated I reviewed it. I'm
21 satisfied with it. I have accepted it. And I
22 have no issue with it.

23 Q. I know you have stated that.

24 I want to know why. Can you tell me
25 why you believe that Dr. Burdett's guesstimate is

1 R.C. Adams

2 accurate enough to rely upon?

3 MR. KELLY: Objection, form.

4 A. Because I didn't disagree with the
5 numbers, and the way he presented them. He made
6 a sound, rational foundation. I have no reason
7 to dispute it.

8 Q. Do you know what measurement
9 instrument was used to determine the air
10 concentrations in the Massachusetts cohort?

11 A. Sorry. Say that again?

12 Q. Yes. Do you know what kind of
13 measurement instrument was used to capture the
14 asbestos in the air in the Massachusetts
15 cigarette cohort?

16 A. I would have to go back and look at
17 the study again in a little bit more detail.

18 Q. He says in the first paragraph of
19 Appendix D that the measurements, quote, almost
20 certainly refer to impinger measurements.

21 A. Right.

22 Q. Which were frequently made for
23 insurance company purpose, end quote.

24 Does that sound about like what you
25 have read there?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. Again, I would like to go back and
4 take a look at that, but I -- it sounds right.

5 Q. Do you have access to it, or could I
6 e-mail it to you or to Colin or somebody, we can
7 take a break. I really think if you're relying
8 on it, it is something we should go through.

9 A. I would like to say that I'm relying
10 on Hodgson and Darnton largely as an issue of
11 relative potency of the different asbestos
12 materials so that I can develop an exposure
13 assessment. And at this point I don't see what
14 the relevance is to the Knight case that we're
15 here to talk about today.

16 Q. Well, I know you don't. But that is
17 why I don't think it's reliable for that
18 proposition for which you're relying on it for,
19 so that is why I want to explore it.

20 Do you have access to it, or can I
21 e-mail it to you or fax it to you there?

22 MR. HARTLEY: Colin, can you give
23 him a copy?

24 MR. KELLY: Let me look and see if I
25 have it, Christian.

1 R.C. Adams

2 MR. HARTLEY: If you don't, let me
3 see if I can e-mail it to your firm.

4 MR. KELLY: Can we take a break.

5 MR. HARTLEY: Let's take a break.
6 That is fine.

7 (Time noted: 2:46 p.m.)

8 (A brief recess is taken.)

9 (Time noted: 2:54 p.m.)

10 MR. HARTLEY: Were you able to find
11 a copy of the document?

12 MR. KELLY: Yes, I got one,
13 Christian. I found one. He's got it.

14 MR. HARTLEY: Awesome. Thank you.

15 MR. KELLY: You're welcome.

16 Q. Turn to Appendix B on page 600.

17 A. I have it.

18 Q. You see there that it says in the
19 first paragraph of Appendix B that the
20 measurements in 1952 which gave an average of 80
21 particles per milliliter within the Massachusetts
22 standard of 175 particles per milliliter almost
23 certainly refer to impinger measurements, which
24 were frequently made for insurance company
25 purposes?

1 R.C. Adams

2 A. I see that.

3 Q. So what we know from that statement
4 is that Dr. Burdett, who wrote Appendix B, isn't
5 sure what kind of measurement device was used,
6 but he think it was impinger measurements, right?

7 MR. KELLY: Objection to form. It
8 speaks for itself.

9 A. It says that he made an assumption
10 that they were impinger methods based on the
11 information he had on hand.

12 Q. And that is an assumption that you
13 can't test, because you don't know one way or the
14 other whether the measurements made in 1952 used
15 in this were that or some other methodology,
16 right?

17 MR. KELLY: Objection to form.

18 A. It's not relevant at this point
19 anyway, so....

20 Q. But the answer is that you don't
21 know, you can't tell the judge or this jury what
22 measurement methodology was used by the
23 Massachusetts folks measuring the air in the
24 Massachusetts cigarette filter factories, right?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. I -- I can't tell him specifically.

3 But I would agree with the conclusion that the
4 midget impingement method seems logical.

5 Q. What's your basis for that?

6 A. It's a method that's -- you know,
7 the methodologies is stated here, I think it's a
8 rational decision. If I was presented with the
9 same data, I would probably draw the same
10 conclusion.

11 Q. There were other methodologies
12 available to measure millions of particles per
13 cubic foot, weren't there?

14 A. There were other methodologies, yes.

15 Q. And there was something called the
16 konimeter, right?

17 A. Konimeter, yes.

18 Q. There were the dust elutriators,
19 correct?

20 A. There were elutriators, although I
21 don't know how they would have been used in this
22 situation, but yes.

23 Q. You understand from your training,
24 your education and your experience, and from
25 reading these articles, as it relates to this

1 R.C. Adams

2 topic, that comparisons between one methodology,
3 say the konimeter or konimeter, if you will, and
4 the midget impinger were problematic, right?

5 MR. KELLY: Objection, form.

6 A. I don't necessarily agree with that.

7 Q. Why don't you agree with that?

8 A. Because methods have been developed
9 to come up with conversion factors.

10 Q. Who developed the method for
11 converting between konimeter and midget impinger
12 counts?

13 A. I don't know.

14 Q. Are you sure that someone has never
15 even done that?

16 A. I know that -- as I said, we've
17 actually done it in a study just recently that I
18 talked about with the silica study.

19 Q. You developed measurements, you
20 developed scientifically reliable conversion
21 factors between the konimeter and the midget
22 impinger for silica?

23 A. And filter methods, yes, they were
24 run side by side.

25 Q. And you have been able to validate

1 R.C. Adams

2 that that method for silica worked in all
3 environments?

4 MR. KELLY: Objection to form.

5 A. The study was done and other folks
6 -- I mean, I was a participant in the exposure
7 assessment. Other folks had done the studies of
8 the actual devices in the field.

9 Q. Who did that?

10 A. That would have been the German
11 insurance folks over in Germany.

12 Q. And is that published somewhere?

13 A. It will be published in September or
14 October of this year.

15 Q. Where is it going to be published?

16 A. Journal of Occupational and
17 Environmental Hygiene.

18 Q. JOEH?

19 A. JOEH, yes.

20 Q. Who were the authors?

21 A. Tomas Birk, B-I-R-K. Dr. Ken Mundt,
22 M-U-N-D-T. Karl Heinz Guldner. Myself. And a
23 gentleman by the name of William Parson,
24 P-A-R-S-O-N. Hopefully -- I think I missed one
25 other coauthor whose name escapes me at the

1 R.C. Adams

2 moment.

3 Q. That has been accepted for
4 publication, correct?

5 A. Yes.

6 Q. I would like to get a copy of that.
7 Could I get a copy?

8 A. I don't have a copy. It hasn't been
9 published yet.

10 Q. Okay. But --

11 A. When it's published, you'll be able
12 to get it.

13 Q. You don't have access to a copy of
14 this paper that you are an author of?

15 A. I -- I don't have access to the
16 final version.

17 Q. Would you be willing to try to get
18 it, so I can review how this conversion factor
19 study that you've done worked?

20 A. This -- this is just -- I -- I
21 provided this as an example of a study that we
22 did relative to silica. I don't see its bearing
23 on the Knight case at all.

24 Q. You seem to be saying to me that
25 you're relying on it for your belief that you can

1 R.C. Adams

2 create conversion factors such that you can
3 compare studies that use different methodologies.

4 MR. KELLY: Objection, form.

5 Q. Are you not?

6 A. I simply provided it as an example
7 for something that I know that has been done.

8 Q. And I just want to be able to see
9 the information that you had in that study,
10 versus what was available to the authors of the
11 underlying studies that was used in Hodgson and
12 Darnton, because those folks say you can't do
13 that kind of conversion.

14 A. I don't know if that is true or not.

15 Q. Have you been given copies of the
16 letters to the editor by the folks from
17 Australia, talking about the dust measurement
18 from the Wittenoom cohort that were used by
19 Hodgson and Darnton?

20 A. I have not seen those letters.

21 Q. You haven't seen indications --
22 well, let me rephrase this.

23 We'll just stick with this one for
24 now, and then I'll send the other one, if I need
25 to.

1 R.C. Adams

2 This gentleman says that 5 million
3 particles per cubic foot was the threshold value
4 in force from the 1930s to the 1960s, maybe even
5 until 1972. That's in the third paragraph of
6 Appendix B on page 600. Do you see that?

7 A. Yes.

8 Q. The 5 million particles per cubic
9 foot TLV was definitely not in force until 1972,
10 was it?

11 A. That my -- my understanding was it
12 was not. But I don't know -- he's saying
13 threshold value. I don't know if he's referring
14 to just the United States, or if he's referring
15 to maybe other locations.

16 Q. Isn't it kind of important when
17 you're using it as a basis for your assumptions
18 in a conversion?

19 A. I don't --

20 MR. KELLY: Objection, form.

21 Sorry.

22 A. It doesn't have any bearing.

23 Q. Why did he include it in there, if
24 it had no bearing?

25 MR. KELLY: Objection. Calls for

1 R.C. Adams

2 speculation.

3 A. I have no idea.

4 Q. When was the asbestos PEL 10 fibers
5 per milliliter?

6 A. When was it 10 fibers per
7 milliliter?

8 Q. Yes.

9 MR. KELLY: Objection, form. Did
10 you say PEL, Christian?

11 MR. HARTLEY: Yes, I did say that.

12 MR. KELLY: Okay.

13 A. He just uses the term "threshold
14 value." I don't think that that is specifically
15 saying it's a PEL.

16 Q. I'm asking you, was the PEL ever 10
17 fibers per milliliter, to your knowledge?

18 A. No.

19 Q. So at least in that paragraph, there
20 are two inaccuracies as far as -- at least as
21 concerns the United States, right?

22 MR. KELLY: Objection, form.

23 A. I don't know that he's talking about
24 the United States, so I can't answer that
25 question.

1 R.C. Adams

2 Q. Well, Massachusetts is in the United
3 States, isn't it?

4 A. Yes.

5 Q. Was the 5 million particles per
6 cubic foot threshold value ever the law anywhere
7 else outside of the United States?

8 MR. KELLY: Objection.

9 A. I don't know the specific
10 regulations in other countries.

11 Q. So you don't know what he's talking
12 about in the third paragraph at all? You don't
13 know where or why he put it in there, right?

14 MR. KELLY: Objection to form.

15 A. And it's -- I don't know where or
16 why, and it's not relevant to my opinion.

17 Q. He indicates here that -- in the
18 next paragraph -- that the methodology that was
19 used when the Massachusetts plant was studied
20 was -- used a low-powered microscope, right?

21 A. That's what he says.

22 Q. Does that work -- using that
23 low-powered microscope would overlook many
24 respirable fibers, right?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. That's what -- again, I have no
3 disagreement with what he's written here.

4 Q. It indicates that the impingers --
5 he's assuming that impingers were used, but they
6 have a poor capture efficiency, right? Below one
7 micron?

8 A. He's talking about for particle
9 sizes less than one micron in size, yes.

10 Q. What does that mean?

11 A. That means that if a particle size
12 was smaller than one micron, that the impinger
13 method might not capture all of the fibers or all
14 of the particles, excuse me, that might be
15 present in the air.

16 Q. So if that's the case, then you
17 might be underestimating the amount of actual
18 particles that are there, true?

19 MR. KELLY: Objection, form.

20 A. Again, it would depend on the
21 composition in the air. This method is not
22 something where you can fractionate sizes. I
23 would also like to clarify that all collection
24 methods, including our modern filter method, do
25 have limitations as to the amount -- particle

1 R.C. Adams

2 size that can be collected.

3 Q. Right. They tend to undercount
4 thinner fibers, right?

5 A. They -- I'm talking about capture
6 efficiency, not the actual counting.

7 Q. I guess, if I'm understanding
8 correctly, though, that author of Appendix B that
9 you rely on, is saying that method might lead to
10 undercounting of the actual presence of the
11 asbestos in the Massachusetts cohort, isn't that
12 what he's saying?

13 A. He's simply recognizing a limitation
14 of the method, and as an industrial hygienist, we
15 have to recognize that all methods have
16 limitations.

17 Q. Sure.

18 And what you would have to
19 understand, reading this, as an industrial
20 hygienist, is that the numbers that were counted
21 might be undercounted because of the limitations
22 of methodology, right?

23 MR. KELLY: Objection, form.

24 A. If you're interested only in the
25 smaller -- less than one micron particles, yes.

1 R.C. Adams

2 Q. Did he account for capture
3 efficiency for particles below one micron in his
4 estimates -- guesstimates, sorry?

5 MR. KELLY: Objection, form.

6 A. It's -- it's not relevant because
7 we're interested in particles bigger than that,
8 when you're looking at asbestos fibers.

9 Q. Well, crocidolite fibers are thinner
10 than one micron, aren't they?

11 A. I'm not an expert on the morphology
12 of the various fibers.

13 Q. So is it fair to say that you're not
14 qualified to explain to the judge and the jury as
15 to what the relevance is of this capture
16 efficiency below one micron?

17 A. I can explain it, in terms of
18 exposures to particulates in the air and
19 exposures relative to, you know, different
20 particle sizes. But, you know, when you're
21 talking about crocidolite fibers, remember,
22 you're looking at not just -- you're looking at
23 length as well as width.

24 Q. Sure.

25 But if a crocidolite fiber is

1 R.C. Adams

2 thinner than one micron, it may pass through the
3 capture methodology, according to the author whom
4 you rely on.

5 A. I don't know if I would be able to
6 respond to that one way or the other. That's
7 speculation.

8 Q. You can't tell me whether or not
9 it's important that the midget impinger
10 methodology that Mr. Burdett believes was used in
11 Massachusetts, whether that was important for the
12 counts at all, right?

13 MR. KELLY: Objection, form.

14 A. In my review of this, it refers to
15 the overall conclusions, and as I pointed out
16 earlier, this is just one component of a number
17 of studies that were used in this paper.

18 MR. HARTLEY: Objection,
19 nonresponsive.

20 Q. It is important when Dr. G. Burdett,
21 in Appendix B indicates, quote, It should also be
22 remembered that impingers have poor capture
23 efficiency below one micron when you're
24 considering the results here for the crocidolite
25 cigarette filter?

1 R.C. Adams

2 MR. KELLY: Objection to form.

3 A. And I've already answered, he's
4 pointing out a limitation of the sampling method,
5 and I think it's appropriate that he's pointed it
6 out, and as I mentioned, there are other -- that
7 there are limits in all sampling methods.

8 Q. Is there any significance to the
9 limitations of the sampling methods for the
10 results that he reports?

11 A. I'm sorry, can you state that again?

12 Q. I'll rephrase it.

13 Is there any significance to the
14 fact that he believes that it should also be
15 remembered that impingers have poor capture
16 efficiency below one micron?

17 A. I -- I don't think there is any
18 significance. He's pointing out a limitation.

19 Q. What effect could that limitation
20 have on the results reported?

21 MR. KELLY: Objection to form.

22 Calls for speculation.

23 A. Again, I've already -- we've already
24 -- I've taken it as he's written it.

25 Q. You haven't answered my question,

1 R.C. Adams

2 however. I understand you've taken it as he's
3 written it. What does it mean in terms of
4 interpreting the results, if anything?

5 MR. KELLY: Objection, form.

6 A. I stated previously that it means
7 that if there are particles less than one micron
8 in size, there might be some that are not
9 captured by this method.

10 Q. And if they're not captured, that
11 means they won't be counted, right?

12 A. Yes.

13 Q. That would mean that the counts from
14 the cigarette, the crocidolite cigarette filter
15 cohort may be undercounts, correct?

16 MR. KELLY: Objection, form.

17 A. It's a possibility.

18 Q. Does he take that into account, as
19 far as you can tell, in the analysis that he did
20 here?

21 MR. KELLY: Objection, form.

22 A. Was that a question?

23 Q. It was.

24 A. Could you repeat it?

25 Q. Does Dr. Burdett take into account

1 R.C. Adams

2 the potential that the results he is -- he gets
3 from the Massachusetts cigarette filter cohort
4 were undercounts?

5 MR. KELLY: Objection to form.

6 A. He states at the very conclusion, he
7 says, it's a very approximate, but he thinks a
8 hundred fiber per milliliter looks to be a good
9 maximum exposure with a TWA of 60 fibers per
10 milliliter. It looks like he's considering it is
11 an approximation, it is an estimate.

12 Q. And it's a guesstimate by his own
13 words, right?

14 MR. KELLY: Objection to form.

15 A. That is his choice of words.

16 Q. Okay. And you don't have any reason
17 to disagree with his choice of words that he's
18 applying guesstimates in his analysis here?

19 MR. KELLY: Objection to form.

20 A. His choice of words is irrelevant to
21 me.

22 Q. You agree that's guessing here,
23 true?

24 MR. KELLY: Objection to form.

25 A. No, I do not.

1 R.C. Adams

2 Q. Where is the evidence that he's
3 doing anything other than guessing?

4 A. He's reasoned out through the
5 process of evaluating this data, and come up with
6 a reasonable estimate based on the number. He
7 chose to use the term "guesstimate." His choice
8 of the word.

9 Q. I understand. I'm trying to figure
10 out how you're able to determine that's not
11 guessing. How is that?

12 A. Because he's laid out a very solid
13 foundation, if you read all of Appendix B, from
14 start to finish, as I have done, I would agree
15 with the approach that he's taken.

16 I'm not hung up on his choice of the
17 word "guesstimate."

18 Q. How does he come to the conclusion
19 that 30 percent of the particles counted were
20 fibers?

21 MR. KELLY: Objection, form.

22 A. I don't have the specific
23 background. But I know that there -- I recollect
24 that there are some documents out there somewhere
25 in my history that may give him some indication

1 R.C. Adams

2 of that information.

3 Q. Can you produce those to me so I can
4 look at them?

5 A. I don't know which ones they are.
6 Like I said, they're in the back recesses of my
7 mind. I would have to go back and search for
8 them.

9 Q. Okay. And then he said, and I'm
10 talking about the last full paragraph in the
11 first column on page 600. He says, and I'll read
12 the sentence that we're talking about, "My best
13 guesstimate is that 30 percent of the particles
14 were fibers, but only about 10 percent of the
15 fibers seen would be crocidolite, (it was more
16 dusty but has very few, smaller than one micron
17 fibers compared to the other dusts). Did I read
18 that right?

19 MR. KELLY: Objection, form.

20 A. Yes.

21 Q. Now, the second thing I wanted to
22 ask you about, I've asked you about the first
23 part, how he came to the conclusion that 30
24 percent of the particles were fibers; how did he
25 come to the conclusion that only 10 percent of

1 R.C. Adams

2 the fibers would be crocidolite?

3 A. I don't know. He may have had some
4 formula records that he may have looked at. I
5 don't know. He doesn't specifically say.

6 Q. And he then, in the next
7 paragraph -- in the next paragraph it says, "This
8 would mean about 3 percent of the count was
9 crocidolite fibers or about 2.5 fibers per
10 milliliter greater than one micron wide," right?

11 A. Umm-hmm, yes.

12 Q. So the 3 percent number comes from
13 multiplying his guesstimate of 30 percent of the
14 particles fibers times the 10 percent of the
15 fibers in the dust being crocidolite, right?

16 MR. KELLY: Objection, form.

17 A. That would be the way I interpreted
18 that he arrived at that number.

19 Q. Now here it's pretty clear from this
20 sentence -- the sentence I just read to you that
21 the width of the fibers is the key when we're
22 talking about the weakness of the midget impinger
23 method that he talks about up above, doesn't that
24 become clear, because of the use of the term
25 "less than one micron wide"?

1 R.C. Adams

2 MR. KELLY: Objection, form,
3 speculation.

4 A. Yeah. When you're looking at
5 fibers, you got to look at two dimensions, not
6 just one.

7 Q. Right. And the reason that is
8 important, with any method is because if it only
9 captures a certain width or a certain length,
10 there is a hole and it may be too wide to go
11 through sideways, but it can go straight through
12 end to end, right?

13 MR. KELLY: Objection to form.

14 A. You're starting to get into a
15 particle aerodynamic behavior. It's speculation
16 at this point for me to go down that avenue
17 without more detail.

18 Q. But what we know here is that the
19 weakness of the methodology that he has pointed
20 out up above that we've talked about at length is
21 important to him because crocidolite fibers, some
22 of them, at least, may not be more than one
23 micron wide, right, so they're passing through
24 the collection medium?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. I'm not sure what you mean by
3 passing through the collection medium. With the
4 midget impinger, you can't exactly pass through
5 it. Once it's captured, it's captured.

6 Q. He says above that the impingers
7 have poor capture efficiency below one micron,
8 doesn't it?

9 A. Yes.

10 Q. So it's clear that he's concerned
11 that some of these crocidolite fibers are less
12 than one micron wide and aren't being captured,
13 right?

14 MR. KELLY: Objection, form.

15 A. It's -- it's -- he's dealing with
16 it. I mean, he's addressing it in the -- in
17 his -- in his estimates here.

18 Q. That's fine.

19 He doesn't deal with it in any way
20 that you can tell whether he's right or not. He
21 just admits and acknowledges that there may be
22 some that's not captured, but he doesn't know how
23 much, right?

24 A. Again, I will take what he's done at
25 face value; that he's acknowledged that there is

1 R.C. Adams

2 a limitation to the method.

3 Q. Some authors believe that the
4 thinner the fiber is, the more dangerous it is,
5 right?

6 MR. KELLY: Objection to form.

7 A. I'm not prepared to discuss that.

8 Q. You've never heard that?

9 A. I have heard it.

10 Q. Then he says in the next sentence,
11 it says, "To convert to the current index, we
12 generally find one can assume only some 4 percent
13 of the greater than five micron long crocidolite
14 fibers were visible, as compared with the current
15 index."

16 Did I read that right?

17 MR. KELLY: Objection, form.

18 A. Yes.

19 Q. What does that mean to you?

20 MR. KELLY: Objection, form.

21 A. What he's saying is that they're
22 making an assumption that approximately 4 percent
23 of those greater than five microns in length
24 would be visible.

25 Q. What is the significance of that?

1 R.C. Adams

2 A. He's taking into account that some
3 of the particles may not be visible per the
4 limitation of the method.

5 Q. What is the basis for his
6 assumption?

7 A. I don't know.

8 Q. If you don't know, how are you able
9 to tell the judge and the jury in this case that
10 this is a reliable calculation that he's making?

11 MR. KELLY: Objection, form.

12 A. Because he's following a methodology
13 that I would follow. And if I had the data in
14 front of me, I would probably be able to draw the
15 same conclusions. Unfortunately, as you
16 mentioned, I don't have that data in front of me
17 completely, but I don't disagree with the
18 methodology. And this is in a peer-reviewed
19 publication, and it was accepted and published
20 and it has been referenced in a lot of the other
21 articles since.

22 Q. Just because something is published
23 and peer reviewed doesn't make it right, does it?

24 MR. KELLY: Objection, form.

25 A. I don't know what you mean by "make

1 R.C. Adams

2 it right." It's a peer-reviewed publication.

3 It's generally accepted following generally

4 accepted methods. It's right in my book.

5 Q. Well, let's take an example. You've
6 heard of chromium six, right?

7 A. I've heard of chromium six. I don't
8 understand the relevance to this case in
9 asbestos.

10 Q. Well, the relevance is it goes to
11 the issues of -- I'll explain it to you.

12 You understand, don't you, that
13 there has been some publications about chromium
14 six that were published in a major peer-reviewed
15 journal that later had to be withdrawn due to
16 lack of support of the underlying data?

17 MR. KELLY: Objection, form,
18 foundation.

19 A. I have no idea what you're talking
20 about.

21 Q. You didn't ever see anything
22 about -- in the Wall Street Journal about Dr.
23 Paustenbach having a paper that Chem Risk wrote
24 withdrawn?

25 A. I don't read the Wall Street

1 R.C. Adams

2 Journal.

3 Q. You haven't seen that anywhere else
4 in the published literature?

5 A. No.

6 Q. Have you ever heard of a published
7 peer-reviewed paper withdrawn for fraud or
8 mistakes that weren't detected in the peer review
9 process?

10 MR. KELLY: Objection, form.

11 A. I have heard of papers that have --
12 have been withdrawn for some reasons. But,
13 again, you know, I looked at the paper based on
14 my methodologies, and it was formed with
15 generally accepted good practices. And I haven't
16 seen anything to refute Hodgson and Darnton in
17 that regard.

18 Q. You liked their methodology but you
19 don't know whether their assumptions are correct
20 because you don't actually have the data to test,
21 correct?

22 MR. KELLY: Objection, form.

23 A. I can't say that.

24 Q. I'm asking you, because you don't
25 have the data, right?

1 R.C. Adams

2 A. I have the paper. And the paper has
3 been accepted and it's been referenced many times
4 over. I have no reason to dispute it. As far as
5 I know, Hodgson and Darnton have not recanted or
6 recalled this paper.

7 Q. That wasn't what I was getting at
8 though.

9 What I'm getting at, at least for
10 this questions here, is that Dr. Burdett has made
11 a lot of assumptions, and you don't have any of
12 the data to determine whether he made proper
13 assumptions, right?

14 MR. KELLY: Objection to form.

15 A. As I stated before, I've followed --
16 I reviewed it, I followed his approach, and I
17 agree with the approach. And I accept it for
18 what it is. And it's only one study of many that
19 are referenced in this paper.

20 Q. If you underestimate the amount of
21 crocidolite exposure that was experienced by a
22 cohort, you're going to overestimate the potency
23 of the fiber, right?

24 MR. KELLY: Objection to form.

25 A. I am not sure I completely agree

1 R.C. Adams

2 with that. Again, the limitations of the methods
3 speak for themselves.

4 Q. Why don't you agree with that? That
5 is kind of simple math.

6 A. It is not simple math. It is more
7 complicated than that. And we are getting hung
8 up over one -- one study related to the
9 crocidolite filter factory of numerous studies
10 that make up the preponderance of this risk --
11 risk assessment.

12 Q. How much crocidolite data points do
13 you think it has in this paper?

14 A. I haven't tried to count them.

15 Q. Then you don't really know how many
16 data points -- how important this data set is as
17 discussed in Appendix B, right?

18 MR. KELLY: Objection, form,
19 argumentative.

20 A. It's not relevant to my use of this
21 paper in the assessment of Mr. Knight's exposure.

22 Q. The reliability of Hodgson and
23 Darnton is not relevant to your assessment of
24 Mr. Knight's case?

25 MR. KELLY: Objection. Misstates

1 R.C. Adams

2 his testimony.

3 A. That is not what I said. I find his
4 paper to be reliable.

5 Q. I understand that you've told me
6 that part. I'm trying to figure out the basis
7 for that.

8 If you turn to page 566 of the
9 Hodgson and Darnton paper, it lists at the top of
10 the second page of the document if you have it in
11 paper form, it lists the cohorts that are relied
12 upon in the case, correct?

13 A. Yes, sir.

14 Q. Do you see that at the top, there is
15 a list of 17 cohorts that were identified?

16 A. Yes.

17 Q. Which of those studies are the
18 crocidolite cohorts that are used in Hodgson and
19 Darnton?

20 A. I -- I -- I'm not able to go through
21 and say which ones specifically. I know Talcott
22 is the one referred to in the crocidolite filter
23 factory, but I would have to go match them up to
24 the tables that are presented in the paper.

25 Q. They go in depth through some of the

1 R.C. Adams

2 weaknesses of their methodology in this Hodgson
3 and Darnton paper themselves, the authors?

4 A. I would expect that in any good
5 scientific paper.

6 Q. Do you understand that there have
7 been updates of some of the cohorts that are
8 reported here?

9 MR. KELLY: Objection, form,
10 foundation.

11 A. I -- I don't know specifically which
12 ones have been updated.

13 Q. Do you understand that there have
14 been updates?

15 MR. KELLY: Objection, form.

16 A. Again, I don't know specifically
17 which ones. I couldn't say specifically one way
18 or the other.

19 Q. The answer is that you don't know if
20 there are any updates of any of these cohorts,
21 right?

22 A. I don't know specifically about any
23 of these cohorts.

24 Q. Have you seen any reports, any
25 epidemiologic reports of Italian Chrysotile

1 R.C. Adams

2 miners and millers?

3 MR. KELLY: Objection to form.

4 A. I have not.

5 Q. You would agree with me that if more
6 disease had occurred in Chrysotile miners that
7 were studied by Hodgson and Darnton, that that
8 would change the potency ratio, would potentially
9 do that anyway?

10 MR. KELLY: Objection, form,
11 foundation.

12 A. I would have to look at the specific
13 studies that you're referring to.

14 Q. You're not familiar with the
15 Mirabelli update at the Piolatto cohort, I take
16 it?

17 A. I'm not currently familiar with that
18 one, no.

19 Q. You don't know whether the data that
20 is relied upon by Hodgson and Darnton is up to
21 date such that the calculations would be
22 accurate, true?

23 MR. KELLY: Objection, form.

24 A. As I stated, I would have to take a
25 look at the specific study.

1 R.C. Adams

2 Q. If you turn to page 570 of the
3 paper.

4 A. Okay.

5 Q. You understand that the Piolatto
6 study is also called the Bolengero cohort?

7 A. I don't know if that's -- I don't
8 know if that is correct or not.

9 Q. So you see up at the top there are
10 three -- the top of that table on page 570, there
11 are only three crocidolite cohorts that go into
12 this analysis, right?

13 A. You're talking about the first three
14 listed at the top of the table.

15 Q. You can figure that out by seeing
16 that it says Massachusetts, which we've talked
17 about as being a crocidolite cohort, right?

18 A. Yes.

19 Q. And then Wittenoom is also a
20 crocidolite cohort; you know that, don't you?

21 A. Right.

22 Q. And then the next one says "SA
23 crocidolite mines," which SA stands for South
24 Africa, right?

25 A. Yes.

1 R.C. Adams

2 Q. And then it says total crocidolite,
3 right?

4 A. Right. Those are for the ones that
5 were crocidolite only. I was confused by your
6 question.

7 Q. So you know that the calculations
8 used by Hodgson and Darnton, when it comes to the
9 potency of crocidolite, rely on only three
10 studies, correct?

11 A. I don't -- I don't agree. Because
12 there are studies which have the mixed fiber
13 types, which also include crocidolite.

14 Q. Which ones are those?

15 A. Those would be the ones in the
16 middle of the page that have the -- like YO, YAO,
17 YO, YAO, because that is their code for the
18 different fiber types.

19 Q. Are you suggesting that they have
20 somehow broken up the --

21 MR. HARTLEY: I'll withdraw that
22 question.

23 Q. Which are the chrysotile only
24 cohorts that you see here?

25 A. Those would be the ones on the

1 R.C. Adams

2 bottom, and they just indicate the fiber type of
3 Y.

4 Q. In studies that were listed as YAO,
5 are you able to explain how the authors were able
6 to attribute risks to one fiber or another?

7 MR. KELLY: Objection, form.

8 A. No.

9 Q. That is beyond your expertise?

10 MR. KELLY: Objection, form.

11 A. I, again, am using this paper as a
12 basis for -- developed for the fact that they
13 have established a relative potency of the three
14 different -- the primary three different fiber
15 types.

16 Q. I understand that. And I want to
17 know, explain to me how you know that this is
18 reliable?

19 MR. KELLY: Objection, form.

20 Q. Other than it's peer reviewed and
21 published in the journal.

22 MR. KELLY: Objection, form. And it
23 misstates his grounds.

24 A. As I stated before, it has been
25 accepted in the peer-reviewed literature. It has

1 R.C. Adams

2 been referenced and cited in other peer-reviewed
3 documents. And, again, looking at the
4 methodology from an industrial hygienist's
5 perspective, I do not find and have not heard of
6 any subsequent peer-reviewed study that has come
7 out that has refuted this document --

8 Q. So you're not familiar with --

9 A. -- that is my basis.

10 Q. -- a paper by Silverstein, Welch &
11 Lemon that discusses this methodology?

12 MR. KELLY: Objection to form.

13 A. I haven't seen that paper.

14 Q. Would you agree with me that the
15 authors identify a good deal of uncertainty in
16 the conversion factors that were used to convert
17 old-time measurements with impingers and
18 konimeters and other dust measurement devices to
19 a fiber per CC exposure estimate?

20 MR. KELLY: Objection, form.

21 A. That -- I agree that they have
22 identified appropriately the limitations of the
23 conversion process.

24 Q. And despite the fact that there were
25 substantial variations in the amounts measured in

1 R.C. Adams

2 the air in various places, they came up with one
3 conversion factor, didn't they?

4 MR. KELLY: Objection, form.

5 A. I believe they used a single
6 conversion factor that they felt was appropriate.

7 Q. In one particular factory they
8 didn't even have any data whatsoever and they
9 just relied on another factory to come up with
10 the estimate, correct?

11 MR. KELLY: Objection, form.

12 A. Yes.

13 Q. Do you know which facility that was,
14 which cohort that was?

15 A. I believe -- let me see, that is
16 probably the Siteman and Silicoff. That would
17 probably be the -- which one was it? I'm not a
18 hundred percent sure which one of these is
19 identified. But I know that there is one in here
20 where they did use other data from another
21 factory with similar exposure conditions.

22 Q. Now, they indicate here on page 266
23 that they are relying on DeClerk, et al. For some
24 of their data, is that true?

25 A. What page is that again, sir?

1 R.C. Adams

2 Q. Top of 266. It's the second
3 reference?

4 A. 266.

5 Q. Yes. 566, sorry.

6 A. DeClerk. Yes. DeClerk.

7 Q. DeClerk is the crocidolite cohort
8 from Wittenoom, correct?

9 A. Yes.

10 Q. And on page 567 at the bottom, it
11 says in the last paragraph, it says, "The
12 exposure estimates for Wittenoom have been
13 questioned by Rogers 1990, who have suggested,
14 re" -- "having reexamined some of the original
15 samples using modern light and electron
16 microscopy that the levels may have been
17 underestimated by a factor of 10," right?

18 A. That's what they write.

19 Q. And if you underestimate the levels
20 of exposures by 10, that would tend to make the
21 crocidolite appear to be more potent, true?

22 MR. KELLY: Objection, form.

23 A. I don't know if that's true or not.
24 I have to look at the data.

25 Q. Well, I guess I raised this question

1 R.C. Adams

2 before in a slightly different way. But it seems
3 like it's just math. If one fiber per CC causes
4 a certain level of mesothelioma in a cohort, it
5 would appear that there is a certain rate of
6 mesothelioma per unit of exposure, true?

7 MR. KELLY: Objection, form.

8 A. I'm not following your math.

9 Q. Well, let's say that in the
10 Wittenoom cohort there were a thousand
11 mesotheliomas and there were an estimate of the
12 dose, which was one fiber per CC, okay?

13 A. Okay.

14 MR. KELLY: Objection, form,
15 incomplete hypothetical.

16 Q. Does that make sense to you, what I
17 said so far?

18 MR. KELLY: Same objection.

19 A. You're stating that you have one
20 fiber per CC, and you said a thousand --

21 Q. Yes. This is a hypothetical, just
22 so that we can do the math.

23 If people worked in the facility and
24 the exposures over their lifetime for 30 years
25 each, there were people who worked there for 30

1 R.C. Adams

2 years each, say the place was open and they never
3 lost an employee for 30 years, and there were
4 over a thousand mesotheliomas in the cohort from
5 the Wittenoom, in this hypothetical Wittenoom
6 that we're talking about, and the exposures over
7 the 30 years were estimated to be one fiber per
8 CC, okay?

9 MR. KELLY: Same objection.

10 You can answer.

11 A. Okay.

12 Q. You would be able to calculate an
13 exposure response based on that, correct?

14 MR. KELLY: Objection, form,
15 incomplete hypothetical.

16 A. I'm still not following everything
17 that you're -- that you're going out here. I got
18 one fiber per CC, I can calculate an exposure
19 level, and I can calculate a quantitative
20 exposure level based on the number of years.

21 Q. And if you know how many employees
22 you had there, and how many employee years there
23 were, you would be able to identify a dose
24 response curve, correct?

25 MR. KELLY: Same objection.

1 R.C. Adams

2 A. I would be able to calculate a
3 cumulative exposure at one fiber per CC over a
4 30-year working lifetime.

5 Q. Fair enough.

6 And if you had the same group of
7 people, and you had the same number of
8 mesotheliomas, but you found out that your
9 exposure estimate was really 10, 10 fibers per
10 CC, the real exposure was 10 fibers per CC,
11 rather than one, it would appear that that
12 crocidolite that was exposure at the -- at this
13 hypothetical Wittenoom mine that we're talking
14 about was less potent than you thought if it were
15 only one fiber per CC, correct?

16 MR. KELLY: Objection, form,
17 incomplete hypothetical.

18 A. Again, there is more to it than
19 that. I'd have to -- we'd have to look at the --
20 you know, what would have been the cause of the
21 underestimation. And I'm not sure that I follow
22 your approach in this one, you know, because
23 again --

24 Q. I'm not asking you about the truth
25 of what is being said by Hodgson and Darnton

1 R.C. Adams

2 here. I'm asking you about the math of it, the
3 math of it.

4 A. Again.

5 Q. You understand that part?

6 A. I understand.

7 But what I'm trying to explain to
8 you is that in the process of collecting data or
9 evaluating estimating data, you take into account
10 the uncertainties of the data sets that you have.
11 And if you recognize that there could be an
12 underestimation, you address that in the process.
13 And that is what Hodgson and Darnton has tried to
14 do here.

15 Q. Well, what Hodgson and Darnton did
16 was use the estimates that were given by LeClerk,
17 and not the estimates that were given by the
18 people who actually serve -- who actually did the
19 measurements for the Wittenoom cohort, right?

20 MR. KELLY: Objection, form,
21 argumentative.

22 A. I'm not sure I quite follow what
23 your thing -- what your question was there.

24 Q. Well, you know from the paper itself
25 that Hodgson and Darnton relied on the data from

1 R.C. Adams

2 DeClerk for the Wittenoom cohort, correct?

3 A. Yes.

4 Q. Now, DeClerk never measured any
5 exposures at the Wittenoom facility, did they?

6 MR. KELLY: Objection to form.

7 A. It -- it simply says that DeClerk
8 and colleagues developing estimates -- they talk
9 about DeClerk and colleagues developing estimates
10 of environmental risk at Wittenoom, use a factor
11 of four without detailed discussion.

12 Again, they're pointing out a
13 limitation of the available data that they had.

14 Q. Since then, and I think I asked
15 this, you haven't read the letter to the editor
16 in response to this Hodgson and Darnton 2000
17 paper where Rogers and Major actually explain the
18 problems with relying on DeClerk, right?

19 MR. KELLY: Objection, form, asked
20 and answered.

21 A. I haven't read the letter. And,
22 again, at this point, I accept the paper and I
23 accept its conclusions.

24 Q. If the people who actually did the
25 measurements at Wittenoom questioned the data

1 R.C. Adams

2 used by Hodgson and Darnton, would that give you
3 any concern?

4 MR. KELLY: Objection, form.

5 A. Once again, I haven't read the
6 letter, so I'm not prepared to give you an
7 opinion on that.

8 Q. If Hodgson and Darnton rely on
9 unreliable data, would that give you any concern?

10 MR. KELLY: Objection, form,
11 argumentative.

12 A. I have nothing in front of me right
13 now to prove one way or the other that it is
14 reliable or unreliable data.

15 Q. Are you willing to rely on reliable
16 data to give your testimony in this case?

17 MR. KELLY: Objection, form.

18 A. I have again stated that I am
19 relying on Hodgson and Darnton, and have not seen
20 anything in the peer-reviewed literature to
21 suggest that their approach was incorrect or
22 unreliable.

23 Q. I have suggested to you that there
24 are, in fact, letters to the editor that call
25 into question the reliability of the data.

1 R.C. Adams

2 Do you want to read those before
3 you're going to come to the conclusion as to
4 whether Hodgson and Darnton are reliable?

5 MR. KELLY: Objection, form.

6 A. I have no reason to read those
7 letters. I accept the paper as written, and,
8 again, it's been cited and used in many other
9 studies.

10 Q. You probably learned in your high
11 school history class that at one point in time
12 scientists in the world believed that the earth
13 was flat, right?

14 MR. KELLY: Objection, form.

15 A. Sure.

16 Q. And that was a commonly held belief,
17 as we learned in our history classes and science
18 classes as kids, right, that the earth was flat?

19 MR. KELLY: Objection, form.

20 A. Okay.

21 Q. Would you agree that that is what
22 you were taught; that people believed for a long
23 period of time that the earth was flat?

24 MR. KELLY: Objection, form,

25 relevance.

1 R.C. Adams

2 A. I agree that it's been presented in
3 science history -- or in history classes in
4 school.

5 Q. And just because people repeated it
6 around the world that the earth was flat didn't
7 make it flat, correct?

8 MR. KELLY: Objection to form.

9 A. Okay.

10 Q. And similarly, just because people
11 have cited the Hodgson and Darnton doesn't make
12 it right, wouldn't you agree?

13 MR. KELLY: Objection to form.

14 A. I disagree. It's a scientific paper
15 built on science foundations, and other people
16 have found it to be credible. I do as well.

17 Q. I asked you about the ability to
18 tease out the importance of the YAO or the
19 effects of particular fiber types where there
20 were exposures to all three commercial asbestos
21 types, which were designated in the table YAO.

22 The same question with respect to
23 the YO cohorts: Are you able to explain to me
24 how Hodgson and Darnton were able to separate out
25 the effects of the Chrysotile and crocidolite in

1 R.C. Adams

2 those YO cohorts?

3 A. No. I'm not going to discuss that.

4 Q. I understand you're not going to.

5 Do you know how to?

6 A. No, I don't.

7 Q. Are you doing okay? Do you need a

8 break?

9 A. I was just cramping up. I had a

10 spin class this morning.

11 Q. Do you need to take a break? If you

12 need to stand up or whatever, I don't want to

13 interfere with your health.

14 A. Can we take a five-minute break.

15 (Time noted: 3:52 p.m.)

16 (A brief recess is taken.)

17 (Time noted: 3:57 p.m.)

18 Q. In your report, which is Exhibit 3

19 on page 4, turn to page 4 of your report.

20 A. Yes.

21 Q. You talk about at the bottom of that

22 page, and then going over to the next page, where

23 you get to establishing exposures to particular

24 products, and you say that you have to know about

25 the exposure magnitude, exposure intensity, and

1 R.C. Adams

2 the exposure duration, right?

3 A. Correct.

4 Q. And we don't have any actual
5 knowledge of the exposure magnitude that Roy
6 Knight experienced over his lifetime to asbestos,
7 correct?

8 A. Say that again?

9 Q. You don't know the actual magnitude
10 of exposure that Roy Knight had to asbestos over
11 his lifetime, right?

12 A. You're talking about the entire --
13 his entire working lifetime, correct.

14 Q. You don't know the exposure
15 magnitude for any individual exposure scenario
16 that he experienced either, true?

17 A. I have evaluated his exposure
18 specifically with respect to the time during the
19 construction of the house, and that -- I was
20 about to say the Longfree house, it's not. It's
21 the Huckabee Road house, with respect to the
22 Georgia Pacific joint compounds that were
23 identified that allegedly have the Calidria
24 content.

25 MR. KELLY: Just to interrupt, the

1 R.C. Adams

2 copies are back. So we have everything we
3 need.

4 MR. HARTLEY: Okay, sounds good.

5 Q. The question I'm asking you is:

6 You've made some estimates based on some data
7 that you talked about, but I'm talking about
8 actual information specific to Mr. Knight as to
9 the exposure magnitude of any event, exposure
10 event that he experienced over his lifetime, you
11 don't have anything like that?

12 A. We don't have exposure data
13 specifically for Mr. Knight that was collected in
14 his vicinity while he was working.

15 Q. You don't have anything about the
16 intensity, specifically for Mr. Knight, for any
17 of the exposure events he discussed throughout
18 his career, true?

19 A. True.

20 Q. You don't have any information, any
21 concrete information about the exposure duration
22 that Mr. Knight had for any of the exposures he
23 discussed, true?

24 MR. KELLY: Objection, form.

25 A. We have -- we have what he described

1 R.C. Adams

2 as whatever estimates he provided, as far as his
3 exposure time. And, again, my focus was in that
4 period of time where he talks about the exposure
5 at the Huckabee Road home.

6 Q. Why was that your focus?

7 A. Because I was asked to evaluate the
8 exposure and particularly to look at the
9 potential exposures associated with joint
10 compounds, that may have contained Calidria
11 fiber.

12 Q. As an industrial hygienist, you look
13 at each exposure as an addition to the cumulative
14 lifetime dose that someone had to a potential
15 hazard, correct?

16 MR. KELLY: Objection, form.

17 A. It -- where we -- where that can be
18 assessed, we would look at the different
19 exposures, yes.

20 Q. And the way you would look at this
21 in this case, as an industrial hygienist is that
22 Mr. Knight had exposure in various places and
23 probably had some exposure to asbestos from joint
24 compound, and some exposure to asbestos from
25 thermal insulation, and some exposure to asbestos

1 R.C. Adams

2 from other products that he described, right?

3 MR. KELLY: Objection, form.

4 A. Again, we would look at the
5 exposures that he described, and to extract from
6 his testimony information about the different
7 product that he used, when he used them, how long
8 he used them, to the extent that that information
9 is available in the reliance materials.

10 Q. And in your review of the materials
11 that you've been given, you saw that Mr. Knight
12 had some exposure to joint compound at a house
13 which was built during the time when Georgia
14 Pacific ready-mix contained Calidria asbestos,
15 true?

16 MR. KELLY: Objection, form,
17 foundation, and assumes facts not in
18 evidence.

19 A. We have his testimony as to the time
20 period that he worked or in the time period that
21 he visited the home during its construction. You
22 know, again, we don't know for sure whether that
23 joint compound actually did have Calidria in it
24 or not.

25 Q. But you know that it more likely

1 R.C. Adams

2 than not did, based on the testimony of William
3 Leonard though, correct?

4 MR. KELLY: Objection, form,
5 foundation.

6 A. I don't agree with that, because
7 I've looked at the formulation sheets, and that
8 there was a number of products that did not
9 contain Calidria.

10 Q. And those formulation sheets you
11 were provided were provided to you by the
12 lawyers, true?

13 MR. KELLY: Objection, form.

14 A. Yes.

15 Q. And you don't know whether there are
16 other formulation sheets out there, right?

17 MR. KELLY: Objection, form.

18 A. I don't know that there may or may
19 not be, but it looks like it's a pretty complete
20 set of formula sheet.

21 Q. What is your basis for saying that
22 it looks like a fairly complete set of formula
23 sheets?

24 A. I've gone through them and have
25 looked at them to see if there are any gaps in

1 R.C. Adams

2 time, with respect to the formulas. And it looks
3 like, you know, they're there. There may be a
4 gap or two, but they seem to be -- they seem to
5 follow along pretty nicely.

6 Q. Now, do you have your formula sheets
7 there?

8 A. I have formula sheets for ready --
9 for the ready-mix joint compounds from the
10 Marietta plant.

11 Q. During what time period did the
12 ready-mix for Marietta contain Calidria brand
13 Chrysotile asbestos?

14 A. From the review of these formula
15 sheets, it -- it would have been around 1972 or
16 -- I'm sorry, sorry, I got to double-check this
17 here. No, I'm sorry, it's 1974. 1974.

18 Q. That is based on what you were given
19 by counsel for Union Carbide, right?

20 MR. KELLY: Objection, form.

21 A. That is based on the review of the
22 formula sheets that I had in my possession.

23 Q. And the source of those formula
24 sheets that we've been through was Union Carbide,
25 right?

1 R.C. Adams

2 MR. KELLY: Objection, form.

3 A. They -- the source -- I received
4 them from counsel.

5 Q. What counsel?

6 A. I received them from Austin and
7 Bird.

8 Q. And who are they lawyers for?

9 MR. KELLY: Objection, form.

10 A. They represent Union Carbide.

11 Q. What was Georgia Pacific doing with
12 the Calidria that was shipped to the Marietta
13 Georgia facility in the 1972 time frame, if it
14 wasn't putting it into joint compound?

15 MR. KELLY: Objection, form.

16 A. I believe they may have had some
17 special-use products; for example, they had some
18 limited shipments to facilities where they were
19 -- they were not for general consumer use where
20 they may have been -- there may have been special
21 products that they may have been made for
22 individual areas of the country.

23 Q. Where did you come up with that
24 information?

25 A. Well, for example, in the formula

1 R.C. Adams

2 sheets, there is one in 1974, it is formula M
3 971, where it says, "To be supplied to selected
4 customers only."

5 Q. Just so I understand, you have an
6 opinion that is based on some documents that you
7 have reviewed that Calidria was only used in
8 Georgia Pacific ready-mix general batches out of
9 the Marietta plant starting sometime in 1974,
10 correct?

11 A. Correct.

12 Q. Now, you don't have any personal
13 knowledge of that, right?

14 MR. KELLY: Objection, form.

15 A. I'm going strictly by the
16 formulation sheets. And I have no reason to
17 discount or dispute the information on them.

18 Q. And you haven't done a systematic
19 review of all of the information that's been
20 provided by Georgia Pacific in litigation
21 regarding the use of Calidria in their products,
22 right?

23 MR. KELLY: Objection, form.

24 A. I haven't done a complete assessment
25 of all of the information, no.

1 R.C. Adams

2 Q. You haven't read Mr. Leonard's
3 deposition from October 14th of 2003?

4 MR. KELLY: Objection, form, assumes
5 facts not in evidence.

6 A. I have -- I have read Mr. Leonard's
7 depositions -- a couple of Mr. Leonard's
8 depositions, the -- I don't have those
9 depositions with me.

10 Q. Do you recall that Mr. Leonard
11 agreed to the statement that, quote, a person
12 using GP ready-mix made in Marietta, Georgia from
13 March 6, 1972 to May 4, 1977 would, more likely
14 than not, have been using a product containing
15 Calidria brand asbestos SG 210?

16 A. I know that is what he stated, I
17 believe in that deposition. I believe, however,
18 that was corrected in a subsequent deposition, in
19 which he said again you have to rely on the
20 formula sheets for that information. So I'm
21 relying on the formula sheets.

22 Q. So you're taking only one time that
23 this guy testified, and you're relying only on
24 the one that favors Union Carbide here; is that
25 what you're telling me?

1 R.C. Adams

2 MR. KELLY: Objection, form,
3 argumentative.

4 A. I did not say that. I said I'm
5 relying on the formula sheets as the basis for
6 the determination.

7 Q. So I understand it, you're aware
8 that Mr. Leonard said that between March 6, 1972
9 and May 4, 1977 a person using ready-mix would
10 more likely than not have been using a product
11 containing Calidria, right?

12 MR. KELLY: Objection, form,
13 misstates evidence.

14 Q. You're aware of that, true?

15 MR. KELLY: Objection, same
16 objection.

17 A. I'm aware that he stated it. But,
18 again, I'm relying on the formula sheets for my
19 opinion.

20 Q. So you're disregarding his statement
21 under oath from October 14, 2003, correct?

22 MR. KELLY: Objection, form.

23 A. I'm not disregarding his statement.
24 Again, I'm relying on the formula sheets for my
25 opinions, relative to the availability of Georgia

1 R.C. Adams

2 Pacific joint compounds containing Calidria
3 fiber.

4 Q. I guess, so that I understand
5 correctly, you understand that he also says the
6 exact same thing again in another deposition;
7 that the dates that I just gave you were the
8 correct dates, correct? You understand that,
9 right?

10 MR. KELLY: Objection, misstates
11 evidence, lacks foundation.

12 You can answer.

13 A. I don't know which other deposition
14 you're talking about.

15 Q. Which one are you talking about
16 where you claim that he says that you should
17 disregard my former testimony and go with the
18 formula sheets?

19 A. I know that I -- I don't know the
20 specific one, but I know that it was after -- it
21 was later on. And, again, I'm relying on the
22 formula sheets.

23 Q. How do you determine whether his two
24 prior instances of testimony under oath were
25 accurate versus the one that you're relying on

1 R.C. Adams

2 where he says go by the formula sheets?

3 MR. KELLY: Objection, form.

4 A. I -- I'm simply -- I am simply going
5 by what's on the formula sheets. Again, I've
6 reviewed his testimony. I'm using the formula
7 sheets as a basis.

8 Q. You know that prior to giving
9 testimony that I've just described that he wrote
10 down himself the time periods in his own
11 handwriting, the time periods that Calidria was
12 used at Marietta, Georgia, right?

13 MR. KELLY: Objection, form.

14 A. I know that he made some handwritten
15 notes. Again, I'm relying on these formula
16 sheets.

17 Q. I understand.

18 You are not relying on other
19 information, prior information that Mr. Leonard
20 had provided. You're relying on the testimony
21 that was given when he was being questioned by
22 Union Carbide, right?

23 MR. KELLY: Objection, form.

24 A. I -- that -- I am relying on the
25 information, again, that he stated in the later

1 R.C. Adams

2 testimony, and the formula sheets that I have in
3 front of me.

4 Q. Do you still have copies of the
5 depositions that you've reviewed?

6 A. I don't have them with me.

7 Q. I know. Do you still have them in
8 your possession?

9 A. I -- I do have them somewhere back
10 in my office.

11 Q. You know that Mr. Leonard indicated
12 that there were two products at the Marietta,
13 Georgia plant that were using Calidria asbestos
14 in the early 1970s, right; one was central-mix,
15 and one was ready-mix?

16 MR. KELLY: Objection to form.

17 A. I don't know that.

18 Q. Can you identify why it is that you
19 believe that prior to 1974, in the Marietta plant
20 that there were some special-use products that
21 might have contained Calidria?

22 A. It's in the specification -- it's in
23 the formula sheets.

24 Q. You have the formula sheets there
25 with you, right?

1 R.C. Adams

2 A. I do.

3 Q. It is 112 pages, at least as it was
4 provided to me, is that right?

5 A. I'm not sure of the exact number of
6 pages, but it is pretty thick.

7 Q. Which pages indicate to you -- they
8 have Bates stamps at the bottom of them, right?

9 A. Yes.

10 Q. The first page is a cover page for
11 the folder, right?

12 A. Yes.

13 Q. And the Bates stamp number on the
14 bottom of it is 08186, right?

15 MR. KELLY: Yes. Sorry. That was
16 me, Christian.

17 A. Yes.

18 Q. And then the next page that you have
19 there is -- is it 018189?

20 A. Yes.

21 Q. And it appears that there is some
22 pages missing in the Bates range, doesn't it?

23 A. Yes.

24 Q. What was on those pages?

25 A. I don't know. I don't have them.

1 R.C. Adams

2 Q. Did you ever ask?

3 A. No.

4 Q. Now, as we go through this, can you
5 identify for me -- I may have found them, there
6 is 87, they're all mixed up -- which pages that
7 are in here that indicate to you that they were
8 special-use products?

9 A. I'm going through them. Okay.
10 Bates stamp 018232, which is formula M 971, where
11 it says, "To be supplied to selected customers
12 only," and it was a March 25, 1974.

13 Q. Right. I thought you were telling
14 me that between 1972 and 1974, there were
15 special-use products that contained Calidria.
16 Did I misunderstand?

17 A. We're looking for the examples.
18 That is the special asbestos free. This one is
19 for machine tools. I'm thinking that might be
20 that one. 976. This one is machine tools also.
21 Yes. Yes, that is '74. This -- yeah, it's
22 19150, it's dated 1972, again supplied on special
23 request only.

24 Q. 19150?

25 A. Right.

1 R.C. Adams

2 Q. How far into the stack is that, just
3 out of curiosity?

4 A. That is number 979. Supplied on
5 special request only.

6 Q. No, I mean just in terms of your
7 stack. I think my copies are the same as the way
8 you have them. And I just want to figure out how
9 far to go down.

10 A. It would be the last grouping.

11 Q. What is it that you're telling me,
12 that this means that it was not distributed?

13 A. It says it's supplied on special
14 request only.

15 Q. Where is the formula for the
16 ready-mix that was supplied in normal conditions
17 that did not contain asbestos, it contained
18 Calidria during this time period?

19 A. In -- are you talking about '74?

20 Q. Prior to '74.

21 A. Prior to '74.

22 Q. This one supersedes a formula from
23 March 6, 1972. Do you know where that formula
24 is?

25 A. Yeah, it's --

1 R.C. Adams

2 Q. I see the formula that is close to
3 where you are from April 14, 1972. It says,
4 "Filler for ready-mix joint compound" and it
5 contains SG 210. That is 019080 and 81.

6 A. That is the filler. That is the
7 filler 971.

8 Q. The filler which goes into the
9 products.

10 A. Yes. Right.

11 Q. That means that from March 6th of
12 1972 until the date in 1974 where the formula was
13 superseded, Calidria was in the material,
14 correct?

15 MR. KELLY: Objection to form. I'm
16 not --

17 A. We're not --

18 MR. KELLY: I'm not sure.

19 A. I'm not following you.

20 Q. Okay.

21 A. The difficulty with these formula
22 sheets is going back and forth between the
23 changes between when it was a filler, then it
24 became a ready-mix product, then it went back to
25 a filler.

1 R.C. Adams

2 Q. The filler goes into ready-mix,
3 there is nothing confusing about that. Filler is
4 an ingredient in ready-mix, so what they did is
5 they mixed up the filler separately and then put
6 it into the ready-mix.

7 A. Right. But --

8 Q. That is how --

9 A. It's the years that they did --

10 Q. And the page with the GP 019080
11 shows that the filler for ready-mix for March
12 6th, until 19 -- the next formula that you have
13 pointed out talking about special uses, contained
14 Calidria, doesn't it?

15 A. Which one is that? 090 --

16 MR. KELLY: Give the formula number.

17 A. Give me the formula number. Don't
18 give me the Bates number. Give me the formula
19 number.

20 Q. Ready-mix filler M 978.

21 A. 978.

22 Q. M 978.

23 A. Filler M 978 is --

24 Q. Towards the bottom of your stack.

25 A. I've got it. It says there is SG

1 R.C. Adams

2 210 in it. Now we have to figure out where that
3 filler was used.

4 Q. It was used in joint compound,
5 ready-mix joint compound M 979 and M 977, it says
6 it right up on the formula itself for this
7 ready-mix filler.

8 A. Okay. And 977 is a product that was
9 supplied on special request only for machine tool
10 use. That is a special product and in 9 -- and M
11 979, it is again supplied on special request
12 only. That is number M 979 dated
13 February 16, 1973.

14 Q. Where is the formula for ready-mix
15 during this time that is not special-use only.
16 There isn't one in your materials, is there?

17 MR. KELLY: There is. Hold on.

18 A. Let's see here. I got to look.
19 Let's see here -- that is not special-use only.
20 There is a -- yes -- 971. Okay. That is -- so
21 we have formula M 971, okay, dated January 29,
22 1969.

23 Q. Which page? Which page is it in
24 your stack now?

25 A. Okay. It's -- like I said, it's

1 R.C. Adams

2 formula M 971. If you need the Bates number it's
3 018230. And it's got asbestos 7RF9. And it is
4 also IN -- it's a filler for ready-mix joint
5 compound M 974 and M 976. M 976 is a machine
6 tools though. So it's got to be the other one.
7 Because that is a handle tool. That is the
8 general, that is the general formula right there.

9 So M 979, which is the white
10 ready-mix joint compound -- I'm sorry, M 974. M
11 974 for hand tools, ready-mix, that is the one
12 we're talking about. That is the general purpose
13 one that does not contain the Calidria.

14 Q. I'm trying to find these documents
15 in --

16 A. It's tough.

17 Q. I'll short-circuit it this way: The
18 only information that you have about the formula
19 is contained in here, in the materials that
20 you've got there in front of you, the 112 pages
21 that you received from Union Carbide and what you
22 read in Mr. Leonard's testimony, is that true?

23 A. That's what I have.

24 Q. And so if there are any gaps in
25 here, in what you got, you don't have any

1 R.C. Adams
2 personal information that is going to help you
3 fill those in, true?

4 A. I don't have -- if there are gaps
5 here, I -- I have the best -- as far as I
6 understand, this is the best collection of the
7 formula sheets that are available.

8 Q. Now, Doctors Craighead and Churg,
9 you cite one of their papers. Why do you cite
10 Craighead and Churg?

11 MR. KELLY: C-H-U-R-G for Churg.

12 A. Are you referring specifically to
13 section C of my report, on page 7?

14 Q. I don't remember where, I just saw
15 it, I think it's probably -- it could be there.

16 A. These -- I cite them because they,
17 again, support the information relative to the
18 association of amphibole exposures to
19 mesothelioma.

20 Q. Dr. Craighead is a consultant in
21 asbestos litigation, right?

22 MR. KELLY: Objection, form, assumes
23 facts not in evidence.

24 A. I don't know that for certain.

25 Q. But you know that Dr. Gibbs is even

1 R.C. Adams

2 an expert witness for Union Carbide?

3 MR. KELLY: Objection, form, lacks
4 foundation.

5 A. I -- I don't know that for certain,
6 either.

7 Q. Do you know who Victor Rodley is?

8 A. I know Dr. Rodley's reputation.

9 Q. What is Dr. Rodley's reputation?

10 A. Dr. Rodley is a pathologist who has
11 looked at the issue of asbestos diseases. And I
12 know he's written a number of authoritative texts
13 on the subject.

14 Q. Dr. Rodley, were you aware that he
15 has identified this case, Mr. Knight's case as an
16 asbestos related mesothelioma?

17 MR. KELLY: Objection, form.

18 A. I haven't seen Dr. Rodley's report
19 relative to this case.

20 Q. If Dr. Rodley says it's an asbestos
21 related mesothelioma, do you have any reason to
22 question that?

23 A. I -- I wouldn't have any reason to
24 question his -- his analysis.

25 Q. As an industrial hygienist, you know

1 R.C. Adams

2 that each exposure someone has to asbestos over
3 their lifetime increases their lifetime burden,
4 body burden of asbestos, correct?

5 MR. KELLY: Objection, form.

6 A. That -- that requires some more
7 explanation, because, again, you have to consider
8 the exposure type, the exposure -- the fiber
9 type, the length and duration of the exposure.
10 There are certainly inconsequential exposures
11 that are not going to increase somebody's risk
12 for the development of an asbestos related
13 disease.

14 MR. KELLY: Sorry.

15 MR. HARTLEY: Sorry, ma'am, can you
16 read that back to me. I think I just
17 missed it.

18 (The record is read.)

19 Q. Where can you refer me to in the
20 industrial hygiene literature to indicate that
21 there are inconsequential exposures to asbestos?

22 A. Again, I have already discussed the
23 fact that this is the basis for my opinions using
24 Craighead and Gibbs, and Dr. Churg and others
25 that have indicated that, you know, below a

1 R.C. Adams

2 certain level of exposure, the risk is minimal.

3 And I've been using the permissible exposure
4 limit as one indication of that.

5 Q. How many excess cancers are
6 acceptable as the OSHA regulation indicate that
7 there will be excess cancers at the PEL?

8 MR. KELLY: Objection, form.

9 DEFENSE COUNSEL: Object to form.

10 MR. KELLY: Foundation.

11 A. I don't have that number in front of
12 me. And, again, the OSHA risk assessment is
13 based on looking at all fiber types at .1 fibers
14 per CC. There is no distinction between
15 amphibole or Chrysotile in that statement.

16 Q. How did you come to have a copy of
17 Dr. Craighead's and Dr. Gibb's medical textbook?

18 A. I bought it when it came out.

19 Q. Did you buy it because you were
20 going to use it in asbestos litigation?

21 A. I bought it because I was very
22 curious about what they had to say, because I
23 had -- I have other textbooks on the subject,
24 including Dr. Silicoff's book from ages ago. And
25 I wanted to see what their -- and because it was

1 R.C. Adams

2 a current textbook. And it was of interest to
3 me.

4 Q. What other textbooks do you have?

5 A. Oh, Lord.

6 MR. KELLY: Objection to form. You
7 mean related to asbestos?

8 Q. Medical textbooks relating to
9 asbestos, sorry.

10 MR. KELLY: I withdraw the
11 objection.

12 A. Well, I don't have a whole lot of
13 medical textbooks. I have other textbooks that
14 discuss asbestos or include summaries of
15 information regarding asbestos.

16 Q. Do you have Hammar and Dodson
17 Asbestos Risk Assessment?

18 A. I don't have that one in my
19 possession. We have it in our library at
20 ENVIRON. I don't have a personal copy of it.

21 Q. Is that a reliable textbook?

22 MR. KELLY: Objection, form.

23 A. I haven't looked at it in a very
24 long time.

25 Q. Do you have Dr. Rodley's textbook in

1 R.C. Adams

2 your collection?

3 A. Not in my personal collection. But,
4 again, we have copies of Dr. Rodley's text in our
5 -- in our central library.

6 Q. Is Dr. Rodley's textbook reliable?

7 A. The chapters that I've read on it,
8 yes.

9 Q. Nail and Hammar, do you have that
10 one in your textbook collection?

11 A. That one doesn't ring a bell.

12 Q. Do you recognize the name Sam Hammar
13 as an expert in the area of asbestos, don't you?

14 MR. KELLY: Objection to form.

15 A. I recognize the name only.

16 Q. You cite to the ATSDR in your
17 materials. And I guess it's to the toxicological
18 profile for asbestos, right?

19 A. That's correct.

20 Q. You know that the ATSDR reviewed all
21 of the available evidence about the toxicology of
22 asbestos, and concluded that all fiber types
23 cause mesothelioma?

24 A. If you read ATSDR, they identified
25 the likely probability of greater association

1 R.C. Adams

2 with mesothelioma in the amphibole fibers.

3 Q. That is not what I asked you.

4 It says in the ATSDR profile for
5 asbestos that you reference in your own report
6 that all fiber types cause mesothelioma, right?

7 MR. KELLY: Objection to form.

8 A. Again, I would say I would like to
9 take a look at the context, because I read the
10 ATSDR report, and I know that, in fact, they
11 state -- make statements also that the more
12 potent ex -- forms of the fiber are the amphibole
13 forms.

14 Q. Do you think that's what that says
15 in the ATSDR toxicological profile, that the
16 ATSDR takes the position that amphibole asbestos
17 is more potent?

18 MR. KELLY: Objection.

19 A. There are statements in the ATSDR
20 documents to that effect, yes.

21 Q. What page is that on?

22 A. I don't have the ATSDR document in
23 front of me.

24 Q. Are the rest of your opinions as
25 reliable as that one?

1 R.C. Adams

2 MR. KELLY: Objection,

3 argumentative, form.

4 A. I'm not going to answer that
5 question.

6 Q. Is it possible that you are not
7 recalling the ATSDR statements about potency
8 correctly?

9 MR. KELLY: Objection, form.

10 A. I have simply stated what I've
11 already told you about the document.

12 Q. I know. I'm asking you if it's
13 possible that you're wrong.

14 MR. KELLY: Objection, form.

15 A. Well, again, I'm not going to
16 respond to the question without the document in
17 front of me.

18 Q. I can e-mail it to you.

19 A. There are over 400-some-odd pages of
20 this document.

21 Q. There are 431, but I can send it to
22 you in a PDF so we can find all of it.

23 A. I feel that the ATSDR documents is
24 reliable. I have relied upon it in terms of my
25 interpretation of this information. I don't see

1 R.C. Adams

2 that it's necessary for us to have to go through
3 this, to find one statement about the potential
4 relationship of Chrysotile and mesothelioma
5 that's taken out of context.

6 Q. I'm asking you to put it in context
7 by showing me the statement. Are you unwilling
8 to do it if I give you the document?

9 A. At this point I think what's in my
10 report stands as my opinions in this matter. And
11 I think if we're going to discuss my opinions in
12 the Knight matter, I would like to concentrate on
13 what's in my report, rather than spend another
14 hour or two going through the ATSDR document
15 trying to find all of these references to
16 amphibole exposures.

17 Q. I understand.

18 Let me see if this seems like
19 something you recall from a -- because I have it
20 here. It says on page F 20 on a 441 page ATSDR
21 toxicological profile for asbestos that under the
22 topic of consensus issues and conclusions, quote,
23 There is general agreement among scientists and
24 health agencies on the following issues and
25 conclusions regarding health effects from

1 R.C. Adams

2 asbestos: One, exposure to any asbestos type,
3 i.e., serpentine or amphibole, can increase the
4 likelihood of lung cancer, mesothelioma and
5 nonmalignant lung and pleural disorders. Does
6 that seem like what is in the ATSDR document that
7 you're citing?

8 MR. KELLY: Objection, form.

9 You can answer again.

10 A. I -- I -- I think that the issue
11 is -- that we talked about are that there are
12 other references throughout that document that
13 talk about the level of exposure or the potency
14 of amphiboles versus chrysotile, that is one
15 statement. But, again, I don't have the document
16 in front of me.

17 MR. KELLY: He's asking whether you
18 think that that is a correct statement from
19 what he's read.

20 A. I -- I can't dispute the statement
21 that he's reading right now. I don't have the
22 document in front of me.

23 MR. KELLY: Okay.

24 Q. Under the topic of unresolved issues
25 and discussions, do you recall there being a

1 R.C. Adams

2 question -- and it's number 2, "Are Chrysotile
3 fibers (or amphibole asbestos fibers) primarily
4 responsible for mesotheliomas in certain groups
5 of predominantly exposed Chrysotile"; does that
6 seem like something that's discussed in that
7 profile that you're reviewing -- that you
8 referenced, rather?

9 A. Again, I -- I -- I -- you know, it
10 sounds like that's what's there, but, again, I
11 don't have it in front of me, so...

12 Q. Now, the Pierce article that you
13 cite is one that was funded by defendants in
14 asbestos litigation, right?

15 MR. KELLY: Objection, form, lacks
16 foundation, argumentative.

17 A. I understand that they disclosed a
18 -- the funding source in that case.

19 Q. And it was the auto industry who --
20 and they were defendants in asbestos litigation
21 for brakes essentially, correct?

22 A. Yes.

23 Q. One of the authors of that article
24 was a Dr. Paustenbach, correct?

25 A. Yes.

1 R.C. Adams

2 Q. And do you know who he is?

3 A. I know his reputation. I've never
4 met him personally.

5 Q. What do you understand his
6 reputation to be?

7 A. Dr. Paustenbach is an industrial
8 hygienist, and he's done quite a bit of a review
9 in the asbestos literature. He also has written
10 some very important papers on exposure
11 assessment.

12 Q. Do you understand that he was
13 implicated in the fabrication of a paper that
14 dealt with chromium six?

15 MR. KELLY: Objection, asked and
16 answered.

17 A. I have already given you the answer
18 to that. I don't know that and I don't read the
19 Wall Street Journal.

20 Q. Is Dr. Paustenbach's reputation
21 important to you as to whether you would rely on
22 a paper that he wrote?

23 MR. KELLY: Objection, form.

24 A. As I stated to you previously with
25 respect to any paper that I review, I review the

1 R.C. Adams

2 paper based on the methodology, whether it
3 follows generally accepted principles and
4 practices, and that is what is critical in my
5 evaluation of any paper.

6 Q. You would agree with me that you're
7 not qualified to -- as a peer reviewer for the
8 paper that was written by Pierce, et al.,
9 correct?

10 MR. KELLY: Objection, form.

11 A. I'm not sure I understand what you
12 mean by "not qualified."

13 Q. You have relied on a paper by Pierce
14 McKinley Paustenbach and Finley entitled, "An
15 Evaluation of Reported No-Effect Chrysotile
16 Asbestos Exposures For Lung Cancer and
17 Mesothelioma," right?

18 A. Correct.

19 Q. You're not a toxicologist, right?

20 A. I'm not a toxicologist.

21 Q. You're not a medical doctor?

22 A. I'm not a medical doctor.

23 Q. You have never reviewed any
24 literature as a peer reviewer for any toxicology
25 journal, have you?

1 R.C. Adams

2 MR. KELLY: Objection, form, lacks
3 foundation.

4 A. I have not reviewed as a peer
5 reviewer for a toxicological paper, no.

6 Q. That's where that paper was
7 published, right?

8 A. Right.

9 Q. Do you have a copy of the paper
10 there?

11 MR. HARTLEY: Let's take a break
12 now.

13 (Time noted: 4:49 p.m.)

14 (A brief recess is taken.)

15 (Time noted: 5:03 p.m.)

16 Q. Do you have there the Pierce paper?

17 A. I do.

18 Q. And I'm trying to find my copy of it
19 on my desktop now. I've got so many papers open.

20 Is Critical Reviews in Toxicology a
21 journal that you take in the normal course of
22 your work?

23 A. It's one journal that we keep an eye
24 on in the normal course of, you know, identifying
25 the peer-reviewed literature. It's not a primary

1 R.C. Adams

2 one, but it is one that we keep our eyes on.

3 Q. And you don't have a subscription
4 yourself, do you?

5 A. No, I don't.

6 Q. You don't subscribe to any
7 toxicology journals yourself, do you?

8 MR. KELLY: Objection, form.

9 A. I don't subscribes to any toxicology
10 journals, no.

11 Q. Now, if we would turn to page 192 of
12 the Pierce paper, the top of that page, in the
13 first column, it's listing some of the studies
14 that they're relying on, right?

15 A. Yes.

16 Q. And one of them, again, is that:
17 Piolatto study from Italy?

18 A. Yes.

19 Q. I asked you about whether you were
20 aware of this paper. But I'm going to tell you
21 the title of it, so that I can be sure that I've
22 got the right one.

23 Are you familiar with the paper
24 entitled, "Excess of Mesotheliomas After Exposure
25 to Chrysotile in Bolengero, Italy" by Mirabelli

1 R.C. Adams

2 Calistiti and other authors?

3 A. I'm not familiar with that
4 particular paper.

5 Q. It is published in the Occupation
6 and Environmental Medicine 2008. It doesn't ring
7 a bell?

8 A. I haven't seen it.

9 Q. Were you aware that the Chrysotile
10 in the Bolengero mine in Italy is tremolite free?

11 MR. KELLY: Objection, form, assumes
12 facts not in evidence.

13 A. I do not know that one way or the
14 other.

15 Q. Continuing with the Pierce paper, if
16 you go to the acknowledgement section. It is the
17 very last pages of the written text. That is
18 where they acknowledge that this was paid for by
19 Chrysler Corporation, Ford Motor Company and
20 General Motors Corporation, right?

21 A. It says that it was funded almost
22 entirely.

23 Q. Who were the other people who paid
24 for it?

25 A. It says that there were three

1 R.C. Adams

2 funding sources and their counsel. At least --
3 I'm sorry, the funding sources and their counsel
4 did not provide editorial comments or review of
5 the manuscript.

6 Q. Who were the three funding sources,
7 if it wasn't Ford, General Motors and Chrysler?

8 A. No, it's just saying that they're
9 indicating that while they funded it, they didn't
10 provide editorial comment or review.

11 Q. I know. I'm getting at the almost
12 entirely part. Do you know who, other than Ford,
13 General Motors and Chrysler, who funded that, if
14 anyone?

15 A. I don't know who the other parties
16 would be.

17 Q. Was it Union Carbide?

18 MR. KELLY: Objection. Speculation.

19 A. I don't know that.

20 MR. KELLY: Come on.

21 MR. HARTLEY: I don't know. I'm
22 asking.

23 MR. KELLY: Come on, Christian.

24 Q. It says, on page -- what this paper
25 is, this Pierce paper, is an attempt to -- is

1 R.C. Adams

2 another attempt to do a risk assessment using
3 various epidemiological studies, correct?

4 A. Yes.

5 Q. And like every risk assessment, it
6 suffers from the limitations of the underlying
7 data, whatever they may be?

8 A. As I stated, all -- all studies of
9 this nature have some limitations, yes.

10 Q. And this paper is the only paper
11 that you're aware of that has come to the
12 conclusion that there is a threshold for
13 mesothelioma with asbestos exposure, true?

14 MR. KELLY: Objection, assumes facts
15 not in evidence.

16 A. That is not what they are talking
17 about. They're talking about a
18 no-observe-adverse-effect level; they're not
19 referring to it as a threshold level.

20 Q. What is the difference?

21 A. A no-adverse-effect level is just a
22 level below which they have not seen evidence of
23 the disease.

24 Q. What is the significance of this
25 paper, in your analysis?

1 R.C. Adams

2 A. This paper, just again helps me put
3 into context the exposure levels that Mr. Knight
4 had, and relative specifically to the use of the
5 Georgia Pacific compound with the -- allegedly
6 with the Calidria fiber so that I have basis to
7 compare the calculated exposure level to a, you
8 know, a risk level, to assess whether or not I
9 felt that it was a significant or substantial
10 risk.

11 Q. On page 193, under the methods
12 section of the Pierce paper, do you see that?

13 A. Yes.

14 Q. It says towards the bottom it says,
15 "If multiple studies existed on a single cohort,
16 the study with the most power, i.e., longer
17 follow-up period, larger study population was
18 selected for the analysis."

19 A. I see that.

20 Q. And why was that?

21 MR. KELLY: Objection to form, calls
22 for speculation.

23 A. I, again, I was not involved in the
24 study design. I'm sure there are very good
25 reasons why they chose that. I don't have an

1 R.C. Adams

2 objection to their decision to use the best study
3 for the purpose of this paper.

4 Q. Do you understand what the concept
5 of power is in an epidemiological study?

6 A. I understand generally that it talks
7 about the strengths of the information and the
8 associations that they can draw, based on the
9 data.

10 Q. You can't really give us a working
11 definition of the term "power" as an
12 epidemiologist uses; is that fair to say?

13 MR. KELLY: Objection, misstates his
14 testimony.

15 A. I've given you my best understanding
16 of it as an industrial hygienist.

17 Q. Is there anything else, other than
18 what you've already told me, as to what you
19 understand "power" means in epidemiology?

20 A. Pretty much as I stated is as far as
21 I can go on that discussion.

22 Q. Do you remember back on the Hodgson
23 and Darnton paper that they talk about a paper by
24 Ildren and Brown using human and animal data to
25 find a no-adverse-effect level, they call it

1 R.C. Adams

2 logical nonsense?

3 MR. KELLY: Objection to form.

4 A. I'm just familiar with what's in the
5 paper.

6 Q. Do you recall that they talk about
7 Ildren and Brown's attempt to look at
8 epidemiological studies and find no adverse
9 effects levels and Hodgson and Darnton call that
10 logical nonsense, right?

11 A. I -- I have already stated that I
12 know it's in their paper.

13 Q. So the same approach to risk that is
14 used by Pierce, et al. here was called Logical
15 Nonsense by Hodgson and Darnton, correct?

16 MR. KELLY: Objection, form.

17 A. I don't know how I make that leap.
18 They're referring to a single paper Ildren and
19 Brown which I can't -- which I have not reviewed,
20 and I don't see where you're making that leap
21 into this review, where they're talking about
22 what they've looked at in the studies to develop
23 a no-observed-adverse-effect level.

24 Q. Maybe I misunderstood.

25 This Pierce paper looks at various

1 R.C. Adams

2 studies of people exposed to asbestos and tries
3 to determine what levels of exposures were
4 associated with increased mesothelioma cases,
5 true?

6 A. They were looking for
7 no-adverse-effect levels in these different
8 cohorts that they reviewed, yes.

9 Q. So it's important to know what
10 levels the people were actually exposed to in the
11 cohorts, right?

12 MR. KELLY: Objection, form.

13 A. That is -- that is one aspect of the
14 review of the cohort is to have the exposure
15 information.

16 Q. It's important to make sure that
17 they captured all of the cases in the cohort,
18 true?

19 MR. KELLY: Objection, form.

20 A. Yes.

21 Q. They talk about on page 193 in the
22 second column some of the problems that they have
23 that is coming up with the exposure measurements,
24 right?

25 A. Yes.

1 R.C. Adams

2 Q. They have to do the conversion
3 factors just like Hodgson and Darnton did, right?

4 A. Yes.

5 Q. They would have all the same
6 problems that Hodgson and Darnton did when they
7 were using the same data, right?

8 MR. KELLY: Objection, form, assumes
9 facts not in evidence.

10 A. They would have some of the same
11 types of problems, but again, I'll clarify that
12 you're also looking at a paper that is eight
13 years, I believe, after Hodgson and Darnton,
14 which means that there are other -- there may be
15 other information, other factors, other
16 mechanisms available to overcome some of those
17 limitations.

18 Q. But you don't know whether there
19 were or were not, right?

20 A. The authors -- the authors of this
21 paper point out the limitations appropriately,
22 and I accept what they've identified as the
23 issues with those limitations.

24 Q. Just so I understand, you don't know
25 whether they found anything different, they had

1 R.C. Adams

2 any different information to them; you just
3 accept that they would have done it because they
4 should have?

5 MR. KELLY: Objection, form.

6 A. Again, I simply say that they
7 recognized the limitations of the data that's
8 available to them and addressed it appropriately.

9 Q. And I'm getting at it, what is the
10 basis for saying that they addressed the
11 limitations appropriately?

12 A. Again, I'm just reading the article
13 and reading what they stated about dealing with
14 some of the exposure limits and reports and units
15 other than fibers per CC, how they dealt with the
16 conversion factors. Yes. They used published
17 factors for plants or similar operations to
18 develop the conversion factors where they
19 didn't -- you know, where maybe the other study
20 authors didn't have it, I mean.

21 Q. Is it fair to say that on page 193,
22 towards the bottom, it says, "Cumulative exposure
23 measurements reported in units other than fibers
24 per cubic centimeter per year, fiber CC year
25 equivalent to fiber milliliter year, were

1 R.C. Adams

2 converted to fiber CC years using the conversion
3 factor provided by the individual study authors,"
4 right?

5 A. That's correct.

6 Q. They don't tell you which of the
7 studies had conversion factors in them, right?

8 MR. KELLY: Objection, form.

9 A. It's -- they don't. But it's, you
10 know, it's not relevant at this point. I've
11 accepted what they provided, in terms of these
12 data, are based on what the study authors
13 provided them, and I don't see the relevance of
14 that.

15 Q. The next sentence says, "If
16 cumulative exposure was reported in millions of
17 particles per cubic foot per year and a
18 conversion factor was not provided, a conversion
19 factor was determined based on published factors
20 for plants with similar operations," right?

21 A. That's what they reported, yes.

22 Q. You don't know whether the
23 conversion factors that they created were
24 reliable, right?

25 MR. KELLY: Objection, form.

1 R.C. Adams

2 A. I don't know one way or the other.

3 But I accept that the conversion factors were
4 used and applied. And I believe this since again
5 this is a peer-reviewed paper and I haven't seen
6 anything to dispute it, that they are reliable.

7 Q. Are you able to, as an industrial
8 hygienist, able to say that some of the exposures
9 that Mr. Knight had did not lead to an increase
10 in the amount of asbestos in his body?

11 MR. KELLY: Objection, form.

12 A. As an industrial hygienist, I can
13 speak to the exposure levels associated with the
14 time that he spent at the Huckabee Road home
15 during the time that he alleged that he observed
16 the -- the drywall contractor doing the -- doing
17 the installation of drywall, including sanding.
18 I speak from an exposure standpoint.

19 Q. You're telling me that as an
20 industrial hygienist, you can say that the
21 asbestos that he breathes at that facility, at
22 that house was not retained in his body?

23 MR. KELLY: Objection, form.

24 A. Again, my testimony has to do with
25 the exposure level that would have been present

1 R.C. Adams

2 in the house. I can't speak to specifically how
3 much fiber he would have actually breathed in and
4 retained inside of his lungs.

5 Q. What is your hourly rate for your
6 work on this case?

7 A. For the general consulting work, I
8 charge \$260 an hour. And for depositions and
9 testimony \$360 an hour.

10 Q. And in terms of the time that you
11 spent to produce this report, how much time did
12 you spend on it?

13 A. I have probably spent in terms of
14 the preparation of this report, probably about 10
15 hours, in terms of the actual report preparation.

16 Q. That is 10 hours at \$260 an hour?

17 A. Yes.

18 Q. How much time, in terms of the other
19 work that you've done on this case have you
20 spent?

21 A. Well, in terms of preparation for
22 the deposition, it's probably been an
23 additional eight -- eight hours, give or take.

24 Q. What did you do to prepare for the
25 deposition?

1 R.C. Adams

2 A. I went back and reviewed the report.

3 I also reviewed the -- the notice of deposition
4 to prepare -- to gather up all of the
5 information.

6 I mentioned that we had -- after the
7 report had been issued, there was a supplemental
8 deposition, the Cunningham deposition. So I
9 reviewed that deposition to see if it had any
10 impact on the report, and any opinions in the
11 report. And, again, just generally
12 double-checked the files, reviewed the other
13 deposition doc -- transcripts, to see just if --
14 you know, just to make sure that I had captured
15 everything that was relevant in the report.

16 Q. Did anybody else help you do this
17 report or review any materials?

18 A. I have an associate that works with
19 me. His name is Michael Holton. He is also a
20 certified industrial hygienist. And he does the
21 initial review of the documents and prepares a --
22 what we call the exposure chronology, which I
23 believe is one of the documents we submitted to
24 you.

25 Q. How much time did Mr. Holton spend

1 R.C. Adams

2 on the case?

3 A. He probably has about 15 hours or
4 maybe a little bit more than that into the case.

5 Q. 20 hours?

6 A. It might be 20 hours. I'd have to
7 go back and look at the actual numbers.

8 Q. Would you be able to do that and
9 provide it to Mr. Kelly, so we can make that an
10 exhibit?

11 A. I can. I -- we did provide an
12 invoice from -- from -- yeah, it was April -- or
13 it was submitted April 29th, but it was for work
14 done in March.

15 And we did -- we probably did a bit
16 more of that work in -- in -- in April -- in
17 April. So, yeah, for example, Mike Holton's
18 hours is like 1.8 at that point, and he certainly
19 would have done more on the case at that point.
20 I can get a more up-to-date breakdown, just the
21 way our invoicing cycle works, it -- we got about
22 a 30-day lag, sometimes as much as a 45-day lag.

23 MR. HARTLEY: Colin, I think -- what
24 would it be, Exhibit 5 now?

25 MR. KELLY: Yes, although I was

1 R.C. Adams

2 going to ask, there is a lot of other
3 exhibits here that you want to mark them
4 just sequentially after?

5 MR. HARTLEY: Yes.

6 MR. KELLY: Wherever you end, how
7 about this, we'll just mark them in
8 sequential order, depending on how they're
9 PDF'd, I guess.

10 MR. HARTLEY: Okay. That makes
11 sense, for sure. That's what we'll do.

12 MR. KELLY: I think you're right,
13 we're on Exhibit 5. Which will be the
14 invoice dated March 29, 2010.

15 (Adams Exhibit 5, invoice dated
16 March 29, 2010, marked for identification.)

17 MR. HARTLEY: Can he supplement
18 Exhibit 5 with the updated one?

19 MR. KELLY: Certainly.

20 MR. HARTLEY: That will be two
21 invoices: the current, the one that is
22 there already, and then the more current
23 one will be part of Exhibit 5 as well.

24 THE WITNESS: I'm happy to do it.
25 But just let me clarify. I have to check

1 R.C. Adams

2 with the accountant to see if it has been
3 posted yet. I think it's been generated
4 but I don't know if it's been posted.

5 MR. HARTLEY: I'm trying to figure
6 out the best way.

7 Q. Let me ask you this first: There
8 were multiple depositions that were provided to
9 me that were provided to you, including several
10 volumes, I think four volumes of Mr. Knight's
11 deposition?

12 A. Yes. I have three, three oral and
13 one video.

14 Q. And Mrs. Knight's deposition?

15 A. Yes.

16 Q. And also Mr. Cunningham's
17 deposition?

18 A. Correct.

19 Q. Did you actually read all of those
20 depositions yourself?

21 A. I read selected portions of them,
22 based on the summary document.

23 Q. So in other words, Mr. Holton
24 identified places that he thought you should read
25 and then you read them?

1 R.C. Adams

2 A. Correct.

3 Q. Were you provided any kind of
4 summary or direction from the lawyers as to what
5 to look at?

6 A. No.

7 Q. Was anyone else at ENVIRON provided
8 such information?

9 A. No.

10 Q. Do you know what Mr. Holton's
11 billing rate is?

12 A. He is at 175 an hour.

13 MR. HARTLEY: I guess I think the
14 thing to do, Colin, what would be the next
15 thing that you have that would be the next
16 item? I think I'm pretty much done. I
17 want to make sure I mark all of it.

18 MR. KELLY: Why don't we get through
19 it, Christian. We already marked the
20 invoice. We'll set that to the side.
21 Hodgson and Darnton.

22 Next would be the exposure summary
23 document, Christian, which is dated -- it's
24 not dated.

25 THE WITNESS: It's not, it's not.

1 R.C. Adams

2 MR. KELLY: Do you want to mark that
3 as Exhibit 6?

4 MR. HARTLEY: Sure.

5 (Adams Exhibit 6, exposure summary
6 document, marked for identification.)

7 MR. KELLY: Next is the deposition
8 trial testimony list for Robert C. Adams as
9 of June 1, 2010, that was requested in your
10 notes.

11 MR. HARTLEY: Okay. That will be
12 Exhibit 7.

13 (Adams Exhibit 7, deposition trial
14 testimony list for Robert C. Adams as of
15 June 1, 2010, marked for identification.)

16 Q. Let me ask you real quick, is that
17 up to date, Mr. Adams?

18 A. Yes, it is current as of yesterday.

19 MR. HARTLEY: What is next?

20 MR. KELLY: Next would be
21 correspondence from -- there is an e-mail
22 string from -- it starts with Debbie Zuger
23 and Willcox & Savage and ends with me,
24 Colin Kelly, sending Mr. Adams a blank
25 e-mail with a notice of deposition, so that

1 R.C. Adams

2 is the correspondence in the case.

3 MR. HARTLEY: Can we make that
4 Exhibit 8?

5 MR. KELLY: Yes.

6 (Adams Exhibit 8, correspondence,
7 marked for identification.)

8 MR. KELLY: Next is a March 12, 2010
9 letter from Melissa Stogner, my paralegal,
10 on Alston & Bird letterhead, enclosing the
11 CD of materials for review in the
12 above-captioned matter, which is the Knight
13 case. And then attached to it is the CD
14 with the materials that I had sent you, the
15 substantive information, you know,
16 depositions in the case that were
17 referenced in the report.

18 MR. HARTLEY: That is all an image
19 that we can attach?

20 MR. KELLY: Yes.

21 MR. HARTLEY: Let's attach that then
22 as 9.

23 (Adams Exhibit 9, March 12, 2010
24 letter with attachment, marked for
25 identification.)

1 R.C. Adams

2 MR. HARTLEY: Anything else.

3 MR. KELLY: What about the -- we
4 have electronically all the information on
5 the attached CD. Do you want that as
6 Exhibit 9A, subsection A through whatever,
7 however many it is, I don't know how many
8 it is.

9 MR. HARTLEY: Sure.

10 MR. KELLY: F and G, how about that.

11 MR. HARTLEY: Electronic, so it's
12 not going to cause us any problem.

13 MR. KELLY: Right.

14 (Adams Exhibit 9A, CD, marked for
15 identification.)

16 MR. KELLY: Next is an April 14,
17 2010 letter from my paralegal, Melissa
18 Stogner, on Alston & Bird letterhead
19 enclosing Mr. George Cunningham's
20 deposition.

21 MR. HARTLEY: Put that in there too.

22 (Adams Exhibit 10, April 14, 2010
23 letter enclosing Mr. George Cunningham's
24 deposition, marked for identification.)

25 MR. KELLY: And the final exhibit,

1 R.C. Adams

2 Christian, is the Marianna formulas.

3 MR. HARTLEY: Yes, I need those for
4 sure.

5 (Adams Exhibit 11, Marianna
6 formulas, marked for identification.)

7 MR. KELLY: The only thing that is
8 left is the Pierce article, Hodgson and
9 Darnton and his report.

10 MR. HARTLEY: I got the report early
11 on.

12 MR. KELLY: So all that is left is
13 the Hodgson and Darnton and Pierce.

14 MR. HARTLEY: Let's make those the
15 next two.

16 (Adams Exhibit 12, Report, marked
17 for identification.)

18 (Adams Exhibit 13, Report, marked
19 for identification.)

20 MR. KELLY: We're up to Exhibit 13.

21 MR. HARTLEY: Okay, perfect.

22 I have no further questions at this
23 time.

24 MR. KELLY: Would you like to read;
25 read your deposition and review it before

1 R.C. Adams

2 you sign, or waive?

3 THE WITNESS: I would like to read
4 it.

5 MR. KELLY: It is your right to do
6 that. So you'll review the deposition and
7 make any corrections and we'll send you a
8 copy.

9 Union Carbide reserves its right to
10 question until the time of trial.

11 (Time noted: 5:32 p.m.)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2 I, the witness herein, having read
3 the foregoing testimony, do hereby certify
4 it to be a true and correct transcript,
5 subject to the corrections, if any, shown
6 on the attached page.
7
8
9
10
11

12 ROBERT C. ADAMS
13
14

15 Subscribed and sworn to
16 before me this ____ day
17 of _____ 2010.
18
19
20
21
22
23
24
25

1
2 CERTIFICATE
34 STATE OF NEW YORK)
56 :
7 COUNTY OF NEW YORK)
89 I, KAREN PERLMAN, a Shorthand Reporter and
10 Notary Public within and for the State of New
11 York, do hereby certify:
1213 That ROBERT C. ADAMS, the witness whose
14 deposition is hereinbefore set forth, was duly
15 sworn by me and that such deposition is a true
16 record of the testimony given by such witness.17 I further certify that I am not related to
18 any of the parties to this action by blood or
19 marriage, and that I am in no way interested in
20 the outcome of this matter.
2122 IN WITNESS WHEREOF, I have hereunto set my
23 hand this ____ day of June, 2010.
24
25_____
KAREN PERLMAN

I N D E X

WITNESS	EXAMINATION BY	PAGE
ROBERT C. ADAMS	MR. HARTLEY	4

E X H I B I T S

ADAMS	EXHIBIT	PAGE	LINE
1,	Notice of deposition of Robert C. Adams	5	9
2,	Defendant Union Carbide Corporation's Response and Objections to Plaintiffs' Notice of Deposition of Robert C. Adams	5	12
3,	Copy of report of Mr. Adams	13	25
4,	Records from Speedy McVay	18	3
5,	Invoice dated March 29, 2010	166	15
6,	Exposure summary document	169	5
7,	Deposition trial testimony list for Robert C. Adams as of June 1, 2010	169	13
8,	Correspondence	170	6
9,	March 12, 2010 letter with attachment	170	23
9A,	CD	171	14

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

10,	April 14, 2010 letter	171	22
	enclosing Mr. George		
	Cunningham's deposition		
11,	Marianna formulas	172	5
12,	Report	172	16
13,	Report	172	18