

PAGES 1 - 94

UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF MASSACHUSETTS

* * * * *
Brian K. Milward and *
Linda J. Milward *
*
v. * Civil Action
* No. 1:07-cv-11944-GAO
Acuity Specialty Products *
Group, Inc., et al. *
*
* * * * *

Deposition of David W. Pyatt, Ph.D.

Thursday, February 19, 2009

Nixon Peabody LLP

100 Summer Street

Boston, Massachusetts 02110

----- J. Edward Varallo, RMR, CRR -----
Registered Professional Reporter
HG LITIGATION SERVICES ~ DALLAS, TEXAS
1-888-656-3376

Page 2	Page 4
1 Present for Plaintiffs: 2 Steve Baughman Jensen, Esq. 3 Allen Stewart, P.C. 4 Republic Center 5 325 North St. Paul Street - Suite 2750 6 Dallas, Texas 75201 7 214.965.8700 ~ Fax 214.965.8701 8 sjensen@allenstewart.com 9 10 Present for Radiator Specialty Company: 11 Brian R. Birke, Esq. 12 Adler Pollock & Sheehan, P.C. 13 175 Federal Street - 10th Floor 14 Boston, Massachusetts 02110 15 617.482.0600 ~ Fax 617.482.0604 16 bbirke@apslaw.com 17 18 Present for The Clorox Company: 19 Charles K. Mone, Esq. 20 Campbell Campbell Edwards & Conroy, P.C. 21 One Constitution Center - 3rd Floor 22 Boston, Massachusetts 02129 23 617.241.3000 ~ Fax 617.241.5115 24 cmone@campbell-trial-lawyers.com 25 16 Present via telephone for Berryman Products, Inc.: 17 Brian P. Voke, Esq. 18 Campbell Campbell Edwards & Conroy, P.C. 19 One Constitution Center - 3rd Floor 20 Boston, Massachusetts 02129 21 617.241.3000 ~ Fax 617.241.5115 22 bvoke@campbell-trial-lawyers.com 23 24 Present for The Sherwin-Williams Company; Henkel 25 Corporation: Kevin R. Powers, Esq. Cetrulo & Capone LLP World Trade Center East Two Seaport Lane - 10th Floor Boston, Massachusetts 02210 617.217.5500 ~ Fax 617.217.5200 kpowers@cetcap.com	1 Present for Nu-Calgon Wholesaler, Inc.: 2 Peter C. Kober, Esq. 3 Litchfield Cavo, LLP 4 6 Kimball Lane - Suite 200 5 Lynnfield, Massachusetts 01940-2682 6 781.309.1500 ~ Fax 781.246.0167 7 kober@litchfieldcavo.com 8 9 Present for Boyle-Midway, Inc. 10 William R. Covino, Esq. 11 McDonough Hacking & Lavoie, LLC 12 One Washington Mall - 9th Floor 13 Boston, Massachusetts 02108 14 617.367.0808 ~ Fax 617.367.8307 15 wcovino@mhlattys.com 16 17 Present for Acuity Specialty Products Group, Inc.; 18 The Steco Corporation: 19 Curtis L.S. Carpenter, Esq. 20 Morrison Mahoney LLP 21 250 Summer Street 22 Boston, Massachusetts 02210 23 617.439.7500 ~ Fax 617.439.7590 24 ccarpenter@morrisonmahoney.com 25 16 Present for CRC Industries, Inc.: 17 Joseph J. Leghorn, Esq. 18 Nixon Peabody LLP 19 100 Summer Street - 25th Floor 20 Boston, Massachusetts 02110 21 617.345.1000 ~ Fax 617.345.1300 22 jleghorn@nixonpeabody.com 23 24 Present for The Steco Corporation: 25 Christopher Sullivan, Esq. Sullivan & Collins, LLC 500 W. Cummings Park - Suite 4000 Woburn, Massachusetts 01801 781.939.5840
Page 3	Page 5
1 Present for WD-40 Corporation: 2 Christopher G. Betke, Esq. 3 Coughlin Betke, LLP 4 175 Federal Street - 9th Floor 5 Boston, Massachusetts 02110 6 617.988.8050 ~ Fax 617.988.8005 7 cbetke@coughlinbetke.com 8 9 Present for The Sherwin-Williams Company: 10 James R. Miller, Esq. 11 Dickie McCarney & Chilcote, P.C. 12 Two PPG Place - Suite 400 13 Pittsburgh, Pennsylvania 15222-5402 14 412.281.7272 ~ Fax 412.392.5367 15 jrmiller@dmclaw.com 16 17 Present for United States Steel Corporation; 18 Aristech Chemical Corporation; USX Corporation: 19 Tim Gray, Esq. 20 Forman Perry Watkins Krutz & Tardy, LLP 21 City Centre Building - Suite 100 22 200 South Lamar Street 23 Jackson, Mississippi 39201 24 601.960.8600 ~ Fax 601.960.8613 25 tgray@fpwk.com 16 Present via telephone for Sunnyside Corporation: 17 Leslie A. Sheehan, Esq. 18 Gordon & Rees LLP 19 633 West Fifth Street - Suite 4900 20 Los Angeles, California 90071 21 213.576.5000 ~ Fax 213.680.4470 22 lsheehan@gordonrees.com 23 24 Present for Rust-Oleum Corporation: 25 Matthew P. Zayotti, Esq. Keegan Werlin LLP 265 Franklin Street - 6th Floor Boston, Massachusetts 02110 617.951.1400 ~ Fax 617.951.1354 mzayotti@keeganwerlin.com	1 Present via telephone for American Grease Stick 2 Company: 3 Syd A. Saloman, Esq. 4 Tucker Heifetz & Saltzman, LLP 5 100 Franklin Street 6 Boston, Massachusetts 02110 7 617.557.9696 ~ Fax 617.227.9191 8 saloman@ths-law.com 9 10 Present for NCH Corporation (Chemsearch Division): 11 Wesley A. Weathers, Esq. 12 Weathers Riley & Sheppard, LLP 13 4848 S.W. 21st Street - Suite 202 14 Topeka, Kansas 66604 15 785.273.2020 ~ Fax 785.273.4662 16 wes@wrsllaw.net 17 18 Also Present: 19 Vic Houston Henry, Esq. 20 Henry Oddo Austin & Fletcher, P.C. 21 1700 Pacific Avenue - Suite 2700 22 Dallas, Texas 75201 23 214.658.1900 ~ Fax 214.658.1919 24 vhhenry@hoaf.com 25 16 INDEX 17 18 DEPONENT PAGE 19 David W. Pyatt, Ph.D. 20 by Mr. Jensen..... 6 21 22 PYATT EXHIBITS FOR IDENTIFICATION PAGE 23 24 1 Document headed Testimony Given By 25 Dr. David Pyatt (2 pages) 7 (ORIGINAL EXHIBIT RETURNED TO ATTORNEY JENSEN)

DAVID W. PYATT, PH.D.

Page 6

MORNING SESSION

9:03 a.m.

DAVID W. PYATT, Ph.D.,

having been first duly sworn on oath,
was examined and testified as follows:

EXAMINATION

BY MR. JENSEN:

Q. Good morning, Dr. Pyatt.

A. Good morning.

Q. My name is Steve Jensen. I introduced
myself earlier. I represent the plaintiffs in this
case.

You are a Ph.D. toxicologist. Is that
correct?

A. That's correct.

Q. You do not have a medical degree. Is that
right?

A. No.

Q. I'm sorry. Is it correct that you do not
have a medical degree?

A. I do not have a medical degree.

Q. Okay, thank you.

Are you a colleague of Dr. Guzelian at the

Page 7

University of Colorado?

A. I know Phil. I've sat through a couple of
meetings with him and we collaborated on a course at
one point but it never came to fruition. So, I
mean, I know who he is; he's at the university. But
we're not really colleagues other than that.

Q. You didn't have any courses from him when
you were in graduate school?

A. No. He came and gave a couple of seminars
in our department through the years, but no courses.

Q. I am marking as Exhibit 1 a two-page
document that is titled at the top Testimony Given
By Dr. David Pyatt. And I would like you to
confirm, Dr. Pyatt, that that is in fact a list of
the testimony that you've provided in legal cases
over the last four years.

A. This is right, but there are one or two
other ones that have happened since I generated this
list. So they would be like number 11 and number
12.

Q. All right. Can you remember the name of
either or both of those cases?

A. Mm-hmm, I can. So number 11 would be
Oakley.

Q. Oakley?

Page 8

A. Mm-hmm, versus Radiator Specialty and U.S.
Steel. And then the last one was Thorpe versus
Safety-Kleen.

Q. Thorpe versus Safety-Kleen. And do you
recall in what jurisdiction the Oakley case is
pending or was pending?

A. Yeah, it's over. Somewhere in Texas.

Q. Did you provide deposition or trial
testimony or both?

A. Just deposition testimony.

Q. And it looks to me like the format that
you have listed your appearances in Exhibit 1 lists
the lawyer who took the deposition or who cross-
examined you. Is that correct?

A. That seems to be the case, yes.

Q. And do you recall in the Oakley case who
that lawyer was?

A. Lance Lubel.

Q. And in Thorpe versus Safety-Kleen, what
jurisdiction is that case pending in?

A. I don't know the jurisdiction. It's in
California.

Q. In California somewhere, all right.

A. Somewhere around L.A.; and it was
Mr. Metzger, Rafael Metzger.

Page 9

Q. Are all of the twelve cases that are
either listed on Exhibit 1 or that you've just
described to me as a supplement to Exhibit 1 cases
in which the plaintiff alleged that exposure to
benzene or benzene-containing materials caused them
to develop a disease?

A. Yes.

Q. If you could generally describe, what was
the substance of your opinions in the Wilkerson
versus Radiator Specialty case?

A. That's the first one?

Q. That's the first one. Denise Clancy is
listed as counsel.

A. Right. Wilkerson, there was actually two
plaintiffs but then they got separated and one went
away, so Wilkerson was a non-Hodgkin's lymphoma case
and it was alleged that solvents containing benzene
were the cause of his disease.

Q. And the substance of your testimony was
what?

A. The substance of my testimony was that the
scientific literature does not support a link
between benzene exposure and non-Hodgkin's lymphoma.

Q. So you provided what you understood to be
a general causation opinion with respect to benzene

3 (Pages 6 to 9)

Page 10	Page 12
1 and non-Hodgkin's lymphoma in Wilkerson. Is that 2 correct? 3 A. That's correct. 4 Q. Has the substance of your opinions in all 5 of the cases listed in Exhibit 1 been what you would 6 describe as a general causation opinion? 7 A. Do you mind if I look at the list? 8 Q. Sure. 9 A. (Pause) I mean, I think that is part of 10 it always. But, no, that's not always the only 11 thing that I do. Some of these are not 12 non-Hodgkin's lymphoma cases, and there you need to 13 evaluate the dose-response relationship and whether 14 or not the individual had opportunity for sufficient 15 exposure to cause that disease. So that's a little 16 different than just a straight sort of general 17 causation argument. But many of these are. 18 Q. I understand. 19 So if I correctly interpreted your 20 testimony, and I apologize for the width of the 21 table making it difficult to pass the exhibit back 22 and forth, but if I understand your testimony 23 correctly, in certain of the cases listed on Exhibit 24 1 and also the additional two cases that you 25 described, you provided testimony that -- Strike	1 But that definitely the epidemiological literature 2 dealing with gasoline exposure is sufficient to make 3 a causal association with AML. That was part of it. 4 But another part of it was that his disease was not 5 consistent with our understanding of what a 6 chemically induced AML will look like. The 7 cytogenetics and some other morphologic aspects of 8 his presentation was not what we would expect if it 9 were really a chemically induced disease. 10 Q. You've finished your answer and I have no 11 question pending yet. 12 A. Okay. 13 Q. Is it your opinion that a chemically 14 induced acute myelogenous leukemia must necessarily 15 have certain kinds of chromosomal markers? 16 MR. LEGHORN: Object to the form. 17 A. No. 18 Q. Describe for me what you look for to 19 assess the extent to which a particular AML would or 20 would not be caused by a chemical exposure? 21 A. Well, I think one clearly important aspect 22 of that is the quantification of the chemical 23 exposure you're referring to and what the scientific 24 literature says about that chemical at any exposure. 25 I'm assuming you're really asking about benzene or
Page 11	Page 13
1 that. Let me try it again. 2 Some of the cases you provided general 3 causation testimony only. Correct? 4 A. Yes. 5 Q. Some of the cases you've provided 6 testimony that there was insufficient evidence to 7 show that specifically this dose of benzene or 8 benzene-containing materials could have caused the 9 disease in this particular plaintiff. Correct? 10 MR. LEGHORN: Object to the form. 11 A. I think that's fair. 12 Q. Do you recall the disease at issue in the 13 Remboldt case? 14 A. Remboldt? Yes. That was a non-Hodgkin's 15 lymphoma. 16 Q. What about the Barker case in West 17 Virginia? 18 A. Mm-hmm. Barker was an AML case. And 19 Mr. Barker was a tanker driver, truck driver, and so 20 the issue was the scientific literature around 21 gasoline, as that is what he was exposed to. 22 Q. And what was the substance of your 23 opinions in Barker? 24 A. Well, I'll have to give you a Cliff Notes 25 version because I don't remember all the details.	1 alkylating agents or I'm not sure what chemical 2 you're referring to. Just in general? Because it's 3 different. 4 Q. And that's fair. What I was really trying 5 to ask and did so poorly was whether there are 6 characteristics, biological characteristics, 7 cytogenetics, anything else of a particular leukemia 8 that you consider clues and/or necessary to be able 9 to associate that disease with exposure to benzene 10 or an alkylating agent, for example? 11 MR. LEGHORN: Object to the form. 12 A. I'll give it a try. I think I understand 13 what you're asking. 14 Q. And if you -- Give it a try. 15 A. All right. There are clues, for sure. 16 None of these are necessary. None of these are 17 absolutely yes, it is, or no, it absolutely cannot 18 be. There just seems to be too much variability in 19 this area. But I think there are certain 20 characteristics that have been repeated and observed 21 repeatedly in cases to where you start to see 22 patterns, and the discussion is: Is this 23 presentation consistent with those patterns or is it 24 inconsistent with those patterns? And those 25 patterns being cytogenetics and morphology and

Page 14	Page 16
1 pathology and response to treatment and other 2 aspects, and it changes depending on the chemical, 3 depending on the etiological risk factor. 4 For alkylating chemotherapeutic agents, 5 I think the story is pretty clear. While there's 6 always going to be some exceptions, you will 7 frequently see a preceding myelodysplastic syndrome. 8 You will often see cytogenetic, well, you will 9 almost always see cytogenetic damage, so you're 10 going to see some type of cytogenetic abnormalities. 11 You will frequently see changes in chromosome 5 12 and/or chromosome 7. You will frequently see a 13 hypocellular bone marrow and these individuals will 14 be relatively refractory to any kind of therapy. 15 I think that where the appropriate 16 analysis has been conducted, that seems to follow a 17 pattern with a benzene-induced AML the closest, with 18 the caveat that there are exceptions and there is 19 variability. 20 Other classes of chemicals that have also 21 been shown to cause secondary or chemically induced 22 AML such as topoisomerase inhibitors, the 23 presentation is quite different. And we can discuss 24 that if you want. But it doesn't look the same as 25 an alkylating-induced AML.	1 definitively caused by that exposure. So it really 2 just depends on the circumstances. 3 Q. And I was trying to really ask about the 4 flip side of the example or the hypothetical you're 5 talking about, rather than suggesting that any one 6 of these characteristics might lead you to 7 necessarily conclude that this is definitely a 8 chemically-related disease. I was asking whether it 9 is your opinion that the absence of any given 10 characteristic or set of characteristics can lead 11 one to conclude that the disease is not related to 12 chemical exposure. 13 MR. LEGHORN: Object to the form. 14 A. I don't think you can do that with a 15 hundred percent certainty. With the example that I 16 just gave, if someone did receive alkylating 17 chemotherapy and then four years later, five years 18 later they developed AML but there were no 19 cytogenetic changes, that would be a puzzle. And 20 there are very good, well-published researchers who 21 have suggested such an event might not be related to 22 the chemotherapy and that it was just an event that 23 happened, because it is so inconsistent with our 24 understanding. 25 So, again, it's not absolute, not a
Page 15	Page 17
1 Q. And just to make sure I understand, 2 whether we're talking about alkylating-induced AML 3 or we're talking about AML that has been induced by 4 some agent that inhibits topoisomerase II, is it 5 your testimony that while there are always clues and 6 patterns to look for, that none of those 7 characteristics that you're looking for would ever 8 be a necessary agent to conclude without 9 consideration of other factors whether that disease 10 was linked to the exposure to either the alkylating 11 agent or the topoisomerase inhibitor? 12 MR. LEGHORN: Object to the form. 13 A. I think if you don't have information 14 about the exposure and you're just presented with a 15 case of AML and asked to deduce what caused it, then 16 the answer to that is probably -- I mean, I think 17 the answer to your question is yes. There isn't 18 going to be a blueprint that anyone can follow and 19 say absolutely, this is a chemically induced 20 disease. 21 If you're following a patient in the 22 clinic and they receive seven rounds of 23 cyclophosphamide and four years later they develop 24 AML and it has a monosomy 7 and 5q deletion, I think 25 it's a pretty straight line to say this is	1 hundred percent, so the word "necessary" in your 2 question would probably mean the answer would be no. 3 But it is more a matter of does it fit with our 4 understanding and the scientific picture of what 5 these diseases would look like. And if you don't 6 see these changes and other characteristics are 7 inconsistent, then you have to weigh that into 8 consideration and say it doesn't look like this is a 9 chemically induced disease. 10 Q. What are the characteristics of a leukemia 11 that has been caused by topoisomerase inhibition? 12 MR. LEGHORN: Object to the form. 13 A. Without trying to be cute, you actually 14 have to identify more carefully what type of 15 topoisomerase inhibitor you're referring to, because 16 as we move further into this and understand what 17 these diseases look like, we're starting to see that 18 you actually get different presentations following 19 different kinds of topoisomerase-reactive drugs or 20 inhibitors. 21 In general, as a general rule, it's going 22 to be AML and it is most frequently going to have a 23 cytogenetic change or a translocation on the 11th 24 chromosome, 11q23, which involves the MLL gene, and 25 it will have a variety of translocation partners,

5 (Pages 14 to 17)

Page 18

1 some of which are thought to play a role in the
2 disease. Some we're not so sure. There is most
3 often not a preceding myelodysplastic syndrome. The
4 latency is quite short, sometimes as short as six
5 months to a year; two years or three years and it's
6 going to happen if it's going to.

7 They will appear to be as treatable as a
8 de novo leukemia, so there doesn't appear to be any
9 big difference in their response to treatment. And
10 there is not as frequently a hypocellular marrow, so
11 you don't necessarily see the kind of damage in the
12 bone marrow that you see following alkylating
13 therapy. That's in general for a topo-reactive
14 drug.

15 Q. Is it your opinion that the scientific
16 evidence is sufficient to conclude more likely than
17 not that topo-reactive drugs cause APL?

18 MR. LEGHORN: Object to the form.

19 A. Etoposide, which really would fall into
20 the category that I just described and that is the
21 prototypical topoisomerase inhibitor, rarely causes
22 APL. You can find scattered reports here and there,
23 but by and large the answer to that is no, you don't
24 see them. And it is so rare, perhaps it's not even
25 related. But there are other kinds of topoisomerase

Page 19

1 inhibitors and that's what I was getting to earlier.
2 One is a Chinese drug called bimolane and then
3 there's a derivative of that that they isolated from
4 that, I think it's a root, but whatever, and that is
5 thought to also inhibit topoisomerase II. It's not
6 known if that's what makes it leukemogenic but that
7 also does it. And in that case of bimolane you do
8 see an increase in APL, so there are some types of
9 drugs that can inhibit that enzyme that do seem to
10 be associated with APL.

11 Q. Is it your view that besides bimolane and
12 its derivatives you just described the scientific
13 evidence is insufficient to assess whether any other
14 topoisomerase inhibitors cause APL?

15 A. No, that's not my testimony. I was giving
16 you examples of where it did happen and where I
17 thought it didn't happen. There are lots of other
18 topoisomerase inhibitors that we haven't discussed.

19 Q. And do some of those topoisomerase
20 inhibitors cause APL?

21 MR. LEGHORN: Object to form.

22 A. There's mitoxantrone, which is another
23 that is thought to act in a manner comparable to
24 bimolane, and in some individuals who are treated
25 with that drug there's a secondary leukemia that is

Page 20

1 APL.

2 Q. The scientific literature as you
3 understand it with respect to how these different
4 kinds of topoisomerase inhibitors act on the body,
5 does it provide strong evidence as to why certain of
6 them seem to cause APL more often than others?

7 MR. LEGHORN: Object to the form.

8 A. That's a good question. We start by
9 saying that there is also a variety of chemicals
10 that have been shown to inhibit this enzyme,
11 topoisomerase II, that aren't leukemogenic at all.
12 So it isn't a foregone conclusion in a straight line
13 that if a drug or chemical inhibits this enzyme,
14 that it is in fact going to cause any kind of
15 leukemia, because there's plenty of examples where
16 that's not true.

17 To answer your question why do these
18 increase the risk of APL and others do not, it is
19 certainly not known with any kind of certainty.
20 There have been suggestions of ethnic differences,
21 because bimolane was predominantly a Chinese
22 traditional medicine. Maybe it has something to do
23 with the background genetics of the population. But
24 the short answer is no, there's not a definitive
25 explanation for why that's true.

Page 21

1 Q. Am I correct, Dr. Pyatt, that your report
2 does not specifically discuss or describe the
3 opinion that you just gave that certain
4 topoisomerase inhibitors do not cause leukemia?

5 A. I'd have to go back and look. I don't
6 think I explicitly put it in my report. That is
7 common knowledge and it is certainly in all of the
8 references that are in my report, but I'm not sure
9 that I explicitly said what I just said to you.

10 Q. Can you give me examples of chemical
11 agents that meet that criteria?

12 MR. LEGHORN: Object to form.

13 A. There's tons of them. Genistein, which is
14 a chemical that you can isolate from soy products,
15 is a topoisomerase inhibitor. And in fact they
16 think that might be why Asian women have a lower
17 incidence of breast cancer, because they have a
18 higher intake of soy, they have a higher intake of
19 genistein, but it does inhibit that enzyme.
20 Ciprofloxacin inhibits the enzyme. It's not
21 leukemogenic, or there is certainly no evidence to
22 support it, as well as other quinolone antibiotics.

23 There's many, many, many chemicals that
24 will inhibit this enzyme. Really, like I said, it's
25 common knowledge within the topoisomerase business.

DAVID W. PYATT, PH.D.

Page 22

1 That's probably why I didn't put it in there.

2 Q. And it is fair to say that the scientific
3 evidence that has been developed to date doesn't
4 show why some topoisomerase inhibitors cause
5 leukemia and others do not. Is that true?

6 A. It's true up to a point. I mean, we're
7 not totally sitting around in an informational
8 vacuum. We know a lot about these drugs and we know
9 a lot about how they behave and we think that a
10 chemical's ability to stabilize what are called
11 cleavable complexes is thought to be an important
12 part of its ability to result in translocations,
13 which then in turn may result in leukemia. But
14 there is certainly more to the story than what we
15 know right now and we don't have all those answers.

16 Q. Do you agree that benzene is a
17 topoisomerase inhibitor?

18 A. No.

19 Q. Do you agree that any of benzene's
20 metabolites are topoisomerase inhibitors?

21 A. That's a better question. I think you
22 have to define the -- I'm sorry. I wasn't trying to
23 be sarcastic.

24 Q. I understand.

25 MR. MILLER: He appreciates the

Page 23

1 compliment, especially after yesterday.

2 A. I'm sorry. Could you ask your question
3 again?

4 Q. Sure. I asked whether you agreed that any
5 of benzene's metabolites are topoisomerase
6 inhibitors.

7 A. Yes. Under very carefully controlled and
8 defined experimental conditions, you can see in
9 vitro inhibition of that enzyme. Now, does that
10 translate even into a cell or an animal or a human?
11 That is not known. But in a test tube, under
12 clearly defined experimental conditions that if they
13 vary very much then the answer is maybe not, but
14 under those conditions, yes, some of benzene's
15 metabolites can inhibit this enzyme.

16 Q. Has there been published research in the
17 peer-reviewed literature looking at the extent to
18 which any of benzene's metabolites inhibit that
19 enzyme in vivo?

20 A. In an animal in vivo? The only data that
21 I have ever found, and I wouldn't say that it was
22 published in the peer-reviewed literature, it was a
23 published report that Dr. David Eastmond wrote. He
24 did some in vivo experiments with various
25 metabolites and reported his data, but it wasn't in

Page 24

1 the peer-reviewed literature. So if that's the
2 criteria, I guess the answer is no, there is no
3 data, that I'm aware of.

4 Q. And what did Dr. Eastmond's report
5 indicate?

6 A. As best I recall, what he reported was in
7 really, really highly exposed animals that he saw a
8 38, I think a 38 percent decrease in the activity of
9 the enzyme in the bone marrow of these mice that he
10 exposed. That's what I recall.

11 Q. Are there any studies that have looked to
12 whether benzene-exposed workers have topoisomerase
13 inhibition?

14 A. There are no studies per se looking at the
15 activity of the enzyme before and after benzene
16 exposure in workers. But there is at least one
17 study that Dr. Smith published where they did look
18 for 11q23, which is far and away the most common
19 cytogenetic change that you would associate with
20 topoisomerase inhibition, and he did look for that
21 in benzene workers and he did not see it.

22 So while he didn't look specifically for
23 enzymatic activity, that cytogenetic change would be
24 consistent with it occurring. The lack of that
25 cytogenetic change is inconsistent with it

Page 25

1 occurring.

2 Q. Which paper is that that you just referred
3 to by Dr. Smith?

4 A. Oh, I'd have to go back and look. I'm
5 sure I referenced it. He looked for 11q23 with two
6 of the three most common translocation partners.
7 Let me just look at my report, see if I can find it
8 there. (Pause) I'm looking. Sorry.

9 Q. That's okay, Doctor.

10 A. I don't think he was the first author.

11 MS. SHEEHAN: Excuse me. While the doctor
12 is looking for that, could you fix the LiveNote?

13 MR. LEGHORN: Yes, we can.

14 THE WITNESS: I'm sorry, Steve. I know
15 it's in here somewhere. I'll find it.

16 MR. LEGHORN: Hold on. We're taking a
17 little break.

18 (In recess 9:39 a.m. to 9:42 a.m.)

19 BY MR. JENSEN:

20 Q. You were looking for the reference to the
21 Smith article.

22 A. Right. It was 2007 and I did reference it
23 in my report, but I didn't reference it correctly,
24 because 46, if you have my report --

25 Q. I do. I was looking for it.

7 (Pages 22 to 25)

DAVID W. PYATT, PH.D.

Page 26

1 A. It's going to be saying 2007, and on
2 page -- they're not numbered. Well, look on
3 paragraph 18, where it starts with "11q23, which is
4 the most common cytogenetic abnormality, has also
5 not been seen elevated in benzene-exposed workers or
6 in leukemia patients with a past history of
7 excessive," and that should be the Zhang 2007 paper,
8 not the Lovett one, the one that I mistakenly have
9 referenced in here. So that's why I don't have it.
10 I knew I had referenced it.

11 Q. And just so I'm certain we're talking
12 about the same thing, Zhang is Z-h-a-n-g. Correct?

13 A. Yes.

14 Q. And the paper is Aberrations in
15 Chromosomes Associated with Lymphoma and Therapy-
16 Related Leukemia in Benzene-Exposed Workers. Is
17 that right?

18 A. That's correct. And I have an electronic
19 version right here on the computer, if you want to
20 discuss it. Thank you, Joe. Yes, that is the one.

21 Q. And am I correct that other than that
22 paper, the Zhang et al. paper, you're not aware of
23 any other scientific literature in which the issue
24 is whether benzene-exposed workers have shown
25 characteristics of topoisomerase inhibition. Is

Page 27

1 that true?

2 A. Other than that paper -- What was the
3 question? I am not aware of any other
4 scientific -- ?

5 Q. Literature in which the investigators have
6 tried to assess the extent to which benzene-exposed
7 workers are showing characteristics of topoisomerase
8 inhibition.

9 A. Okay.

10 MR. LEGHORN: Object to the form.

11 A. Yeah, there are no studies where they have
12 actually looked at the enzyme and tried to evaluate
13 whether or not there are changes in vivo in the
14 enzyme. But there are many studies where they have
15 looked at cytogenetic abnormalities associated with
16 benzene-exposed workers. This one explicitly looked
17 at 11q23 and didn't see it, but others have not
18 reported that finding either and, really, the issue
19 today about APL and 15;17, that evidence has
20 something to bear on this as well because of the
21 bimolane connection and that some drugs that inhibit
22 the enzyme can cause that disease.

23 So if they have ever looked for 15;17, I
24 would say that has bearing on this question as well.

25 Q. What studies besides the Zhang 2007 study

Page 28

1 are you aware of in which the investigators looked
2 for the 15;17 translocation in benzene-exposed
3 workers?

4 A. The only one that I know specifically is
5 the McHale paper which was published in 2008, also
6 co-authored by Dr. Smith, and in that case they did
7 not see an increase in 15;17 in the exposed workers.

8 Q. Is reference 22 in your report the
9 Eastmond report that you described earlier in your
10 testimony this morning?

11 A. Yes. And I think that data was presented
12 by one of his co-authors, who I can't remember, in a
13 book chapter or a proceeding or something, because
14 there seemed to be two references but neither one of
15 them was in the peer-reviewed literature. This is
16 the one that I was talking about, yes. It's the
17 same data; I think it's just been presented twice in
18 this form.

19 Q. Is it your view that any scientist who
20 looks at the available evidence regarding benzene
21 and its metabolites and their ability to cause
22 topoisomerase inhibition and concludes from that
23 available evidence that benzene's metabolites do in
24 fact cause topoisomerase inhibition in people is
25 being unreasonable?

Page 29

1 MR. LEGHORN: Object to the form.

2 A. I don't know what you mean by
3 unreasonable. I think anyone who looks at that data
4 and looks at the work that David Eastmond did, that
5 Rhonda Baker did with me and Rich Irons and that
6 Neil Osheroff has done would recognize that the
7 experimental conditions are critical for observing
8 inhibition. To look at that and say, yeah,
9 absolutely it's going to happen in humans, I don't
10 know if it's unreasonable, but I think it's
11 premature and I think it is making a leap of faith
12 that the science doesn't support without
13 understanding which of the experimental conditions
14 most likely mimic what you're going to find in the
15 bone marrow and whether it is possible for anyone to
16 even survive the exposures to benzene that would
17 allow those concentrations to get into the bone
18 marrow to cause those changes.

19 So those are two really big questions
20 right now that are unanswered. So I don't know that
21 it would be unreasonable, but I think it's a reach.

22 Q. You know Dr. Martyn Smith. Isn't that
23 true?

24 A. Yes.

25 Q. Would you agree that he is one of the most

8 (Pages 26 to 29)

Page 30 1 highly published researchers in the area of benzene 2 toxicology? 3 MR. LEGHORN: Object to the form. 4 A. Dr. Smith has published on certain aspects 5 of benzene toxicology quite a bit, yes. 6 Q. And he has published a lot more papers 7 that relate to benzene toxicology than you have, for 8 example. Is that true? 9 A. I would say that's probably true. 10 Q. And would you agree that Dr. Smith's 11 reputation in the field of benzene toxicology is 12 that he is considered a highly respected scientist 13 in that area? 14 A. I think Dr. Smith is considered, and I 15 consider him, to be a respectable scientist, yes. 16 Q. And specifically with regard to benzene 17 toxicology. Is that true? 18 A. Specifically with regard to certain 19 aspects of benzene toxicology, Martyn -- Dr. Smith 20 -- has published a lot on cytogenetic changes 21 associated with benzene exposure. There are other 22 areas that he has not published as much on, but that 23 one area I'd say he probably is in the lead at this 24 point. 25 Q. Bear with me just a moment, Doctor.	Page 32 1 Q. You have in your original report a 2 reference that you say shows that Dr. Smith's 3 original report, as I recall, that statements in 4 Dr. Smith's original report regarding that are 5 incorrect and then Dr. Smith, as I recall in the 6 supplemental report, responds to your reference. So 7 I'm here to ask you: What is your response to his 8 response or your reply to his response? 9 A. Yeah, Dr. Smith pointed out that the Jandl 10 reference was outdated. I don't know that that's 11 true or not. I mean, it's still an excellent 12 hematology textbook, but it was published in '96 or 13 '97. Then he points out sort of this evolving 14 notion of the leukemic stem cells, which is clearly 15 important, particularly from a therapeutic point of 16 view, and may have some bearing on the cell of 17 origin. But I'm not sure that it necessarily does. 18 My position that these different types of 19 leukemias, particularly of the form of AML that is a 20 quite differentiated cell type like an acute 21 promyelocytic leukemia, are not likely to have 22 arisen from the same stem or progenitor cell that 23 something like chronic lymphocytic leukemia would 24 arise from; and I still believe the scientific data 25 support that. This would be one area that I think
Page 31 1 (Pause) 2 It appears I've made a silly mistake in 3 two respects, one being I only brought a single copy 4 of something I wanted to look at and the other being 5 I think I marked it as an exhibit yesterday. But I 6 bet you have a copy in front of you. I was looking 7 for the supplemental declaration of Dr. Smith in 8 this case. 9 A. I do have it. 10 Q. Okay. And you've read that. Correct? 11 A. I have. 12 Q. Have you reached some opinions in this 13 case since reading Dr. Smith's supplemental report 14 that are not reflected in your original report in 15 this case? 16 A. Well, I think all of my main opinions are 17 reflected in my original report, but I have many 18 opinions related to Dr. Smith's supplemental report 19 that are not covered in my original report. 20 Q. I want to ask you specifically about a 21 discussion in Dr. Smith's supplemental report 22 regarding the extent to which leukemias as a group 23 develop from a common stem cell. Do you recall the 24 discuss I'm talking about? 25 A. Yes, mm-hmm.	Page 33 1 perhaps it's just a disagreement and Dr. Smith and 2 I would not see eye to eye on what the data says or 3 from a conceptual point of view. 4 Because the bottom line is, we don't know. 5 We just don't know where these various forms of 6 leukemia arise, in what cells. But if you look at 7 the presentation of the disease with the cytogenetic 8 changes, it seems pretty clear to me that they are 9 not all arising from the same cell. And the World 10 Health Organization has a hematopoietic 11 classification system and document that they 12 published in 2004; and their statement, while it's 13 cursory, would support my position that these 14 diseases do not all arise from the same level of 15 hematopoietic organization. 16 So some of the things that he suggested 17 that I was wrong on, perhaps he's correct. But the 18 overriding principle that these diseases do not come 19 from the same source cell I still believe is 20 supported by the scientific evidence. 21 Q. And when you say these diseases, you're 22 talking about leukemias as a group. Correct? 23 A. I'm talking about, yes, leukemias as a 24 group, chronic versus acute, lymphocytic versus 25 myeloid, and potentially even the various forms of

DAVID W. PYATT, PH.D.

Page 34

1 acute myelogenous leukemia may not arise in the same
2 cell.

3 Q. Do you have an opinion one way or the
4 other to a reasonable degree of scientific certainty
5 as to whether the different variants of acute
6 myeloid leukemia do arise from the same progenitor
7 cell?

8 MR. LEGHORN: Object to the form.

9 A. I would say that it is a matter of
10 speculation. But if you look at the presentation, I
11 think the evidence would argue that they probably do
12 not arise from the same cell. But they could. But
13 I clearly believe that whatever that cell is,
14 however undifferentiated the cell of origin for any
15 form of AML is, it is a different cell than the cell
16 of origin for chronic lymphocytic leukemia or even
17 chronic myeloid leukemia.

18 Q. With respect to the last question that I
19 just asked you, about the different subvariants of
20 AML and the extent to which they arise from the same
21 progenitor cell, is that issue something that you
22 would say reasonable scientists could disagree
23 about?

24 MR. LEGHORN: Object to the form.

25 A. Reasonable scientists do disagree. If you

Page 35

1 go to an experimental hematology meeting, those guys
2 will get in a fistfight over this issue. I mean, it
3 is not clear. We can't tell what cell is doing
4 what. So, yes, the answer to your question is
5 clearly there are many disagreements about this
6 particular issue. And I was exaggerating about the
7 fistfight.

8 Q. I wouldn't ask you to name names even if
9 you weren't.

10 A. But strongly worded arguments occur at
11 every experimental hematology meeting that I've ever
12 been to.

13 Q. Is there scientific consensus that acute
14 myeloid leukemias develop in a multistage fashion?

15 MR. LEGHORN: Object to form.

16 A. Is there scientific consensus? Is that
17 what you asked?

18 Q. That's what I asked.

19 A. I think that is a theory. I think that
20 there are hypotheses and there's data that support
21 that. I don't know whether it's a scientific
22 consensus or not, but I think most scientists think
23 about all cancers as being a multistep process.

24 Q. And part of that theory is that the
25 process at least with respect to AMLs begins at a

Page 36

1 progenitor cell. Correct?

2 MR. LEGHORN: Object to the form.

3 A. Well, it starts getting semantical when
4 you use the word progenitor cell. What exactly do
5 you mean by a progenitor cell?

6 Q. I see your problem. (Pause) Let me ask a
7 different question that goes to a similar issue.

8 A. Okay.

9 Q. I'm looking at Dr. Smith's original
10 declaration --

11 A. Okay.

12 Q. -- and I'm at paragraph 28(a). The second
13 sentence of paragraph 28(a) says "Since the subtypes
14 of AML all derive from a genetically damaged
15 pluripotent stem or progenitor cell, they likely
16 have a common pathogenesis." My first question for
17 you about that sentence is: Do you agree with
18 Dr. Smith that the subtypes of AML all derive from a
19 genetically damaged pluripotent stem or progenitor
20 cell?

21 A. Well, again, it's semantical. I mean, by
22 the word "or," is he saying that a pluripotential
23 stem or progenitor cell are interchangeable with one
24 another? In my view as an experimental hematologist
25 in the lab, that's not the case. Those are very

Page 37

1 different things. A progenitor cell is not a
2 pluripotential stem cell. Those are different. So
3 if he's saying can it arise in this animal or this
4 animal, I think the answer is yes, as long as we
5 understand that those two animals are very
6 different. If he's saying that all forms of
7 leukemia arise in one of those animals as opposed to
8 the other, I think that's speculation.

9 Q. What is a progenitor cell the way that
10 you've used that term?

11 A. A progenitor cell is one that has lost the
12 ability to differentiate into some types of
13 lineages, cell lineages, but is not terminally
14 differentiated so it still has the ability to turn
15 into more than one kind of cell. And it stays that
16 until it becomes a lineage-committed progenitor cell
17 and then it's irrevocably committed. Well,
18 I wouldn't say that, because now there's some
19 plasticity, but it is heading down a path of
20 becoming a red blood cell or a neutrophil or
21 whatever it's going to be. A pluripotential stem
22 cell is at the very top. It has retained all of the
23 hematopoietic capacity that exists and it can turn
24 into whatever physiologically it needs to turn into.

25 Q. And I apologize, Doctor, if you answered

10 (Pages 34 to 37)

Page 38

1 this earlier but I don't think that you did.

2 A. It's all right.

3 Q. No, and I'm not sure I asked this specific
4 question either so I'm not trying to criticize you.

5 Is it your opinion that APL arises from a
6 different progenitor cell than other forms of AML?

7 A. That wouldn't surprise me. And I think
8 you can certainly make a cogent argument why that
9 would be the case, but I would allow that there are
10 other alternatives and that they could be coming
11 from potentially the same, as long as we're talking
12 about acute myeloid leukemia, AML, not other forms.

13 Q. Is there evidence that benzene or its
14 metabolites are causing damage at the progenitor
15 cell level that is ultimately one of the factors
16 that is leading to AML developing in benzene-exposed
17 people?

18 MR. LEGHORN: Object to the form.

19 A. I think I heard the whole question, but
20 I'll just answer it and if it's not right, you can
21 follow up.

22 The progenitor cell, hematopoietic
23 progenitor cell population is a target tissue for
24 benzene toxicity. Now, whether those changes
25 ultimately result in leukemogenesis, well, I think

Page 39

1 some of them might but we certainly can't clearly
2 identify what those pathways are at this point.

3 Q. To the extent that benzene causes damage
4 at a progenitor cell level that ultimately leads to
5 leukemia, if you would just assume that for me for
6 the moment. Okay? And if you also would assume
7 that the progenitor cell in which APL arises is the
8 same progenitor cell in which the other forms of AML
9 arise. Okay? Given those two assumptions, is that
10 some evidence in your view that benzene is capable
11 of causing APL?

12 MR. LEGHORN: Object to the form.

13 A. When you first started that question you
14 said leukemia. And by leukemia, you're talking
15 about AML, not all leukemias?

16 Q. Yes. Let's put that in the question, if I
17 didn't say that.

18 A. All right. Because I would take issue
19 over that. But if you talk about acute myeloid
20 leukemia and then the second part of this hypothesis
21 was that all of the different subtypes of AML arise
22 from the same progenitor cell?

23 Q. Correct.

24 A. Is that evidence that benzene can cause
25 all those different forms?

Page 40

1 Q. Is that evidence that benzene can cause
2 acute promyelocytic leukemia?

3 A. Okay. If that were all there were to the
4 story and that's all we knew and that's the only
5 piece of data on this table, sure. I don't think
6 there'd be any reason to argue that one form or
7 another is not likely to be caused by benzene. In
8 this particular instance that is not all there is on
9 the table. We have a lot of other evidence dealing
10 with this very question of whether benzene is
11 related to APL, and that does not support that
12 hypothesis. But just based on your question and
13 that piece of data, yes.

14 Q. Would you agree that the body of
15 epidemiological literature regarding benzene
16 exposure and the acute nonlymphocytic leukemia shows
17 a strong association?

18 MR. LEGHORN: Object to the form.

19 A. I think the body of epidemiological
20 literature at somewhere greater than 50 part-per-
21 million-years benzene within an appropriate window
22 of opportunity or latency or exposure window,
23 however you want to put it, that supports strongly a
24 link with some forms of acute nonlymphocytic
25 leukemia.

Page 41

1 Q. You would agree with me that most of the
2 epidemiological studies that have looked at the
3 issue of benzene exposure and acute nonlymphocytic
4 leukemia have not attempted to sort out by
5 subvariant which types of ANLL are occurring in the
6 benzene-exposed populations. Right?

7 A. Well, define "most." I mean, some?

8 Q. More than half.

9 A. Yes, yes, most of them have not, based on
10 that, tried to distinguish the various subtypes.
11 And if they are retrospective mortality studies,
12 that's really hard to do.

13 Q. Are you aware of any cohort epidemiology
14 studies that have attempted to calculate a relative
15 risk or other measure of association between benzene
16 exposure and APL specifically?

17 A. Quantify the risk, SMR or odds ratio or
18 something like that?

19 Q. Correct.

20 A. And benzene?

21 Q. Correct.

22 A. Identified benzene with quantitative
23 exposure information for that benzene?

24 Q. Quantified, or qualitative benzene
25 exposure information, either one. Are you aware of

11 (Pages 38 to 41)

DAVID W. PYATT, PH.D.

Page 42	Page 44
1 any cohort studies that have attempted to do that? 2 A. Not yet. 3 Q. Are you aware of case-control studies 4 looking at APL in which benzene exposure 5 specifically was analyzed for an odds ratio? 6 A. With APL? 7 Q. With APL. 8 A. Benzene exposure specifically, no. 9 Q. Would you agree that there are many case- 10 control studies that have looked at acute 11 nonlymphocytic leukemias as a group and analyzed for 12 an odds ratio for benzene specifically? 13 MR. LEGHORN: Object to the form. 14 A. Yes, there are studies that fit that 15 criteria, yes. 16 Q. And those studies are part of the body of 17 literature that we were just discussing with respect 18 to showing an association between benzene and AML or 19 ANLL. Correct? 20 A. Yes. 21 Q. And just to finish my line of questioning 22 about that body of literature generally, would you 23 agree that that body of literature shows a 24 consistent association between benzene exposure and 25 ANLL?	1 Q. Okay. So is it fair to say you have never 2 provided testimony at the request of or on behalf of 3 a plaintiff in a personal-injury case? 4 A. Not yet. 5 Q. If you would turn with me back to the 6 original declaration of Martyn Smith for a moment, 7 at paragraph 24. The first sentence of paragraph 24 8 says "The rarity of APL makes it difficult to study 9 by traditional epidemiological methods." Do you 10 agree with that? 11 MR. LEGHORN: Object to the form. 12 A. APL is a relatively rare disease. I mean, 13 AML is a relatively rare disease and this makes up 14 some portion of those. Whether or not it's 15 difficult to study with traditional epidemiological 16 methods, you would have to ask an epidemiologist 17 that question. I think it can certainly be done and 18 has been done. 19 Q. Am I correct that in your view the most 20 important body of data to examine when looking at an 21 issue of general causation with respect to a 22 particular substance, exposure to a particular 23 substance and a particular disease, is 24 epidemiological literature? 25 A. The most important? I would say that's
Page 43	Page 45
1 MR. LEGHORN: Object to the form. 2 A. With the caveats that I put into my first 3 answer, you see a consistent association under 4 appropriate exposure conditions. 5 Q. And would you agree that that body of 6 literature shows that there is a dose-response 7 connection between benzene exposure and ANLL? 8 A. Yes. 9 Q. Would you agree that there is a scientific 10 consensus that benzene exposure is capable of 11 causing ANLL? 12 MR. LEGHORN: Object to the form. 13 A. Under the appropriate exposure conditions. 14 MR. JENSEN: Let's take a short break. 15 (In recess 10:16 a.m. to 10:27 a.m.) 16 BY MR. JENSEN: 17 Q. Dr. Pyatt, the list of testimony that is 18 Exhibit 1, is it true that in each one of those 19 cases you provided testimony at the request of 20 defense attorneys in the case? Is that right? 21 A. That is correct, yes. 22 Q. Had you provided testimony in legal cases 23 in years preceding the years that are represented by 24 Exhibit 1? 25 A. No, that's it. That's my sum total.	1 the most important, yes. I would say that's not all 2 you should look at. You should look at every bit of 3 scientific data you can find. But, yes, I think 4 that's the trump card. 5 Q. I have in front of me an article entitled 6 Mechanism of Action of Eukaryotic Topoisomerase II 7 and Drugs Targeted to the Enzyme by Burton and 8 Osheroff in 1998. You are familiar with that 9 article? 10 A. Yes. 11 Q. I believe that this article was recently 12 identified by counsel yesterday as being something 13 that you were relying on. Am I correct that you did 14 not list this particular paper as a reference in 15 your original report? 16 A. This is not listed in my original report, 17 no. 18 Q. For what purpose are you relying on the 19 Osheroff 1998 paper? 20 A. I brought this study because it 21 explicitly, but it wasn't the only one, there were 22 other references in my report that talked about 23 these other drugs that inhibit this enzyme that are 24 not leukemogenic. And Mr. Leghorn thought that you 25 would want a copy of it since it was not listed in

12 (Pages 42 to 45)

Page 46

1 the report per se.

2 Q. Which portion of the Osheroff paper or
3 Burton paper discusses topoisomerase inhibitors that
4 are not leukemogenic?

5 A. Well, if you look on page 145, these are
6 representatives of various types of drugs that are
7 known to inhibit this enzyme. Genistein, there's no
8 evidence that genistein is leukemogenic, so I don't
9 know that it specifically says that in this paper,
10 but it's in the scientific literature. There's no
11 evidence that it is, so you just put two and two
12 together.

13 The reason why these structures are put
14 here -- and it's really kind of how people came
15 about thinking that benzene might be going through
16 this mechanism -- are some of the structural
17 similarities in the metabolites or these compounds
18 and what we see from the benzene metabolites.
19 That's how this whole discussion kind of came about.

20 Q. Are you aware of any studies that have
21 looked to the specific issue of whether genistein is
22 capable of causing leukemia?

23 A. Well, the flip side of that question is
24 there is no evidence that it does, ever, in any
25 study that I've ever seen.

Page 47

1 Q. And I'm just asking whether you've seen a
2 study that specifically was asking that question.

3 A. And I think the answer to that is no.

4 Q. With respect to any of the drugs which are
5 topoisomerase inhibitors but for which there is no
6 evidence in your view that those particular drugs
7 cause leukemia, are you aware of any epidemiological
8 studies that have looked to the specific question of
9 whether drug X, which is a topo inhibitor, causes
10 leukemia?

11 A. I think those studies exist. Not that
12 they went after and specifically looked for
13 leukemia, but in the clinical trials for
14 ciprofloxacin and for some of these other
15 antibiotics, there's all kinds of clinical data on
16 the use of these drugs, and that would turn up. And
17 there may very well be long-term studies out there
18 that have cancer incidence rates; I just can't
19 identify them sitting here right now.

20 Q. Are you aware of any epidemiological
21 studies that calculate a relative risk, an SMR, an
22 odds ratio, or any other statistical measure of
23 association with respect to benzene exposure and any
24 of the specific subtypes of AML?

25 A. Not yet.

Page 48

1 Q. Is it your view that the weight of the
2 scientific evidence is that benzene exposure is
3 capable under certain exposure conditions, as you've
4 described it, of causing all forms of ANLL other
5 than APL?

6 MR. LEGHORN: Object to the form.

7 A. That's fair. The only one that I think
8 would be questionable would be perhaps M7, the
9 megakaryocytic leukemia, just because it is so
10 exceedingly rare, you just don't have any real data
11 on it one way or the other. But there aren't any
12 other forms based on the FAB subtyping that I would
13 at this point call into question. The only reason
14 why you can identify APL and call into question APL
15 is because it is unique enough where people have
16 isolated it, talked about it, and have done separate
17 epidemiological studies on that specific subtype.
18 That has not been done with any other subtype that
19 I'm aware of.

20 Q. But, again, the separate epidemiological
21 studies that have been done with respect to APL as a
22 specific subtype, as far as you're aware, none of
23 those have attempted to quantify a statistical
24 association between APL and benzene exposure
25 specifically. Right?

Page 49

1 MR. LEGHORN: Object to the form.

2 A. I would have to look at some of Dan
3 Douer's studies, but he clearly stated that there
4 were no environmental or occupational risk factors
5 for APL. I don't recall sitting here whether he
6 specifically went in and looked quantitatively at
7 benzene. But I think anyone going in and thinking
8 about APL as a separate disease entity would have in
9 the back of their mind benzene. So, I mean, I'm
10 sure that was part of his thought process.

11 Q. As you sit here today you can't point to
12 any such study by -- Is it Douer?

13 A. Douer.

14 Q. -- or any other investigator. Is that
15 correct?

16 A. That have quantitated the relationship
17 between APL and benzene statistically? No.

18 Q. Are you aware of any studies that have
19 attempted to quantify a statistical association
20 between APL and solvent exposure?

21 A. I'm not.

22 Q. Are you aware of any studies that have
23 attempted to quantify a statistical association
24 between APL and petrochemical exposure?

25 A. No, not as I'm sitting here right now.

13 (Pages 46 to 49)

Page 50

1 Q. In fact, is it fair to say, Dr. Pyatt,
2 that to date the epidemiological investigations of
3 benzene exposure and ANLL have not even attempted to
4 investigate a relationship by subtype of those
5 ANLLs?
6 MR. LEGHORN: Object to the form.
7 A. No, I don't agree with that. I don't
8 think that is fair to say.
9 Q. Okay. Why do you think that's not fair to
10 say?
11 A. Well, because your sole criterion that
12 you've been posing all these questions is, is there
13 a statistical relationship? Has someone gone after
14 the quantification? And I think the answer to that
15 part is no. But they have listed the subtypes and
16 have gone after certain cytogenetic changes in a
17 variety of studies and they don't see any APLs or
18 they see one APL. And if you have one APL in the
19 exposed and a variety of them in the unexposed, you
20 can't do statistics. There's not a way to
21 rationally do that.
22 So I think your question is too narrow
23 with regard to the quantification. But I think
24 there is evidence addressing the overriding question
25 of whether benzene is associated with this disease.

Page 51

1 Q. And is all of the epidemiological evidence
2 that you just referred to in your last answer
3 referred to in your original report in this case?
4 A. Yes.
5 Q. If you would turn with me to your report
6 at paragraph 18.
7 A. Okay.
8 Q. For context I will read the first
9 sentence. The first sentence says "The relevance
10 that topoisomerase inhibitor-induced AML has to the
11 issue at hand relates to reports that benzene
12 metabolites can inhibit topoisomerase II, which in
13 turn has been hypothesized to be a potential mode of
14 action for benzene's cytogenetic effects and
15 leukemogenic effects. However, this picture is far
16 from clear and other published work has shown that
17 the mechanism of inhibition of topoisomerase II by
18 benzene metabolites may be inconsistent with this
19 hypothesis and, further, that benzene metabolites
20 might actually protect cells from etoposide-induced
21 DNA damage." And then it gives reference number 7,
22 and reference number 7 is the Baker paper that you
23 alluded to earlier in your testimony this morning.
24 Correct?
25 A. Yes.

Page 52

1 Q. And you were one of the co-authors on that
2 paper. Right?
3 A. Correct.
4 Q. Besides the Baker paper, can you identify
5 any other studies in the literature that support the
6 proposition that benzene metabolites might actually
7 protect cells from etoposide-induced DNA damage?
8 A. I'm not sure that anyone's ever looked at
9 that other than us. I think Rob Schneider's paper,
10 which maybe is reference 28, where he looked at
11 these issues in whole cells -- yeah, 28 -- that is
12 32.D3(G) cells, it's a bone marrow cell line, he
13 found that at doses of parabenzoquinone that started
14 inhibiting the enzyme, the cells died; that they
15 underwent apoptosis. That is also a mechanism that
16 in my view would be inconsistent with a leukemogenic
17 process.
18 Q. Are you aware of anyone replicating
19 Dr. Schneider's results with respect to that issue?
20 A. I don't think anyone has used those cell
21 lines. But if you understand how the enzyme works
22 and the cell's requirement for that enzyme, that's
23 not very far out on a limb that the cell will die if
24 you inhibit this enzyme. So, A, I don't think it
25 was really an earth-shattering study that it needs

Page 53

1 to be repeated. I think that data speaks for itself
2 and it's pretty consistent.
3 Q. Well, when you say it's consistent, can
4 you point to any other publication that provides
5 evidence of that specific point that topoisomerase
6 inhibition by benzene metabolites kills
7 hematopoietic progenitor cells other than reference
8 number 28?
9 A. Well, sure. That's how it works. Any
10 paper that explains the mechanism of topoisomerase
11 inhibition in the diseases that are being treated
12 for, it's killing the cells. That's why it's a
13 chemotherapeutic agent. It kills the cells because
14 it increases the amount of these cleavable complexes
15 and the cell dies. The cell doesn't want to have
16 all of that damage and it goes through apoptosis.
17 That's how this drug works. That's its mechanism of
18 action. So there's hundreds of studies, probably,
19 that would support that position.
20 Q. Well, isn't it true that in the instances
21 in which topoisomerase inhibitors end up causing a
22 therapy-related leukemia, some of the cells have not
23 been killed by the drug. Right?
24 MR. LEGHORN: Object to the form. Go
25 ahead.

DAVID W. PYATT, PH.D.

Page 54

1 A. There has to be a fine line in there
2 somewhere and we don't understand exactly how that
3 works. But that doesn't change the fact that that
4 is the mechanism of action for these drugs. If it
5 didn't kill these hematopoietic cells, it wouldn't
6 be very useful in treating leukemias or in treating
7 lymphomas or these other things that it's used for.

8 Q. So clearly the drugs that are
9 topoisomerase inhibitors are not always killing all
10 the cells in which they are causing the DNA
11 cleavage. Right?

12 MR. LEGHORN: Object to the form.

13 A. Clearly the drugs are not killing all the
14 cells in which they are causing DNA cleavage? Well,
15 some of the drugs probably doesn't necessarily even
16 cause DNA cleavage. But the ones that have been
17 established to be leukemogenic, like etoposide for
18 example, it's thought to be some repair mechanism
19 that has gone awry where it has cleaved the DNA and
20 borne these cleavable complexes but in the process
21 of repairing that break in the DNA, something hasn't
22 worked correctly and that has allowed that cell to
23 survive. And if that repair mechanism confers on
24 that cell a growth advantage or some dysregulation,
25 then that is in the most simple terms how it could

Page 55

1 potentially become leukemogenic.

2 Q. In your view, does Dr. Schneider's work
3 referenced in number 28 in your report demonstrate
4 that benzene metabolites cannot work with the same
5 repair mechanism that you just described with
6 respect to drugs which are topoisomerase inhibitors?
7 Does it exclude that possibility?

8 MR. LEGHORN: Object to the form.

9 A. I don't think it excludes that
10 possibility. It's a transformed cell line out of a
11 mouse bone marrow. So, I mean, you've got to put it
12 all into context. But I think it is inconsistent
13 with that mechanism and I think it is data that you
14 would put on the other side of this debate as to
15 whether or not the inhibition that you can sometimes
16 see with benzene metabolites is a relevant mechanism
17 for benzene to produce leukemia.

18 Q. If you would turn to paragraph 15 of your
19 report.

20 A. Okay.

21 Q. You're discussing there the -- I'm not
22 sure whether it's Melay or Melie. In Italian it
23 would be some form of Mele.

24 A. That's the way I say it, something like
25 Pele.

Page 56

1 Q. The Mele study. And this was a case-
2 control study of APL. Correct?

3 A. A very small one, yes.

4 Q. And the case-control study found a
5 statistically significant odds ratio with respect to
6 shoe workers in Italy and incidence of APL.
7 Correct?

8 A. Well, that is what they reported. But I
9 take issue over that statistical analysis they did
10 because they're working with two cases. I mean,
11 they report a relative risk of 6 or something, but
12 there's only two cases. That just, to me, is
13 inappropriate.

14 Q. Well, they also calculate a confidence
15 interval around the odds ratio. Correct?

16 A. That doesn't change the fact that all of
17 that math and that statistics is based on an
18 extremely small number of cases, two.

19 Q. And I understand your opinion in that
20 regard, Dr. Pyatt. But there are, you would agree,
21 conventions in epidemiological literature and in
22 biostatistics in general for determining whether or
23 not a particular result is statistically
24 significant. Right?

25 A. The answer to your question is yes, of

Page 57

1 course there are. The caveat is whether or not this
2 fulfilled that statistical requirement, I don't know
3 the answer to. In my line of work an N of two is
4 not a sufficiently robust sample size to run any
5 kind of statistics on, ever.

6 Q. Well, at least if the authors report the
7 confidence interval, this finding meets the
8 conventional definition of statistical significance
9 as you understand it. Am I right?

10 A. Based on what they reported, sure, yes.

11 Q. Now, in your report at paragraph 15 you
12 say in the middle of that paragraph "In fact, due to
13 the timing of exposure with these workers, it is
14 unlikely that they were using benzene in their
15 adhesives at all, as it had been banned by the
16 Italian government for such purposes in the 1970s."
17 What is your authority for that proposition?

18 A. My authority?

19 Q. What you are relying on for the
20 proposition that the Italian government banned the
21 use of benzene in the '70s.

22 A. Oh. Actually, as I recall now, it was in
23 1965, so it was actually earlier than the 1970s. It
24 seemed like, and I don't know this a hundred
25 percent, but that it was a review paper that Aksoy

15 (Pages 54 to 57)

DAVID W. PYATT, PH.D.

Page 58 1 published where he was talking about some European cohorts and how the regulations have changed through time with regard to benzene. But I'm not positive if that's the one. 2 Q. Would you agree that based on the language of the Mele article itself, it appears that the author believes that the shoe workers who were part of his study were in fact exposed to benzene? 3 MR. LEGHORN: Object to the form. 4 A. No, I wouldn't agree with that. I don't know what these authors thought. 5 Q. You don't think that the language suggests that? 6 MR. LEGHORN: Object to the form. 7 BY MR. JENSEN: 8 Q. That's not your interpretation of the language. Correct? 9 A. That the language suggests that the shoe workers were exposed to benzene? 10 Q. Suggests that the authors believed that the shoe workers were exposed to benzene. I understand you've got a separate opinion which you've made in this report about that. I am just asking you about whether the language suggests that the authors' opinion was that the shoe workers were Page 59 1 probably exposed to benzene. 2 MR. LEGHORN: Object to the form. 3 A. I have it right here. Just give me one second and I'll read what they say. (Pause) Okay. So what was your question again? Does the language suggest that these authors thought that the workers were exposed to benzene in the glues? 4 Q. Yes. 5 A. I don't know. I don't know how to answer that. What they say is "A significant relationship between shoemaking and APL is possibly related to benzene exposure, a well-known leukemogenic agent present in the glues." I don't know if they're talking about their glues. They're not referring to -- They're talking about old studies where benzene was in the glues. I don't know what they thought. 6 Q. Is it true you can't point me to a specific Italian regulation or law that banned use of benzene in adhesives? 7 A. I can't sitting here. But the next time we get together I'll be able to. 8 Q. Well, can you tell me as we sit here today how the Italian government enforced any such regulation?	Page 60 1 A. No. 2 Q. And you can't tell me as we sit here today the extent to which any compliance efforts with such a regulation were effective. Is that right? 3 A. I cannot. 4 Q. Earlier this morning I had asked you about the extent to which you had developed some opinions regarding Dr. Smith's supplemental declaration and I think you said "Sure, I have." And as I've already told you, I don't have that in front of me. But if I could, I would like for you to go through with me and describe your opinions that you developed in response to Dr. Smith's supplemental declaration. 5 A. Okay. Do you want to get a copy? I only have one, but I'm sure someone could track one down for you. 6 Q. That'd be helpful if there are any volunteers in the studio audience. But if not, I'll struggle through. 7 A. Okay. The first one, and really this is probably the most, well, it's one of the most important, is Dr. Smith's insistence that because APL is classified as a subtype of AML, ergo, they all are going to have the same etiological risk factors and they're all basically going to be the Page 61 1 same disease. And that is just clearly not the case. So I think I strongly disagree with that notion. 2 Q. And you expressed that opinion in your original report. Correct? 3 A. I did. But then he brought it up -- 4 Q. Right. And I'm not trying to criticize. I just want to make sure. 5 A. Yes, I did. 6 Q. And I want to clarify. The materials you would rely on for purposes of your opinion that APL is a separate disease are the same materials that you mentioned in your original report. Is that correct? 7 A. Yes. 8 Q. All right. 9 A. So, yeah, that shows up two or three more times. Then I have some issues with his referencing, this is in paragraph 6, and his sentence reads "Common genetic variants in a gene called NQ01 confer susceptibility to AML and two studies in England and China show that this is strongest for de novo AML cases harboring translocations and inversions, including translocation 15;17 in APL."
---	--

16 (Pages 58 to 61)

Page 62

1 That is worded, perhaps not intentionally,
 2 but that is very misleading because it is implying
 3 that there is a strong link between the 15;17 and
 4 this NQ01 mutation; and when you pull those studies
 5 and look at them, that is not the case. In fact,
 6 15;17 is the weakest of all of the different
 7 translocations that are listed there. There's no
 8 way you can look at that dataset and say this is the
 9 strongest association.

10 Then there are some other things that he
 11 put in that paragraph, but it's kind of a so-what;
 12 it's really just not that relevant.

13 Then he goes on at the bottom and says
 14 "Thus, common environmental causes are likely for
 15 these leukemias and may include low-level benzene
 16 exposure, quinone compounds in our diet, ionizing
 17 radiation and chemicals producing oxidative stress."

18 Again, that is inappropriate. For one
 19 thing, we have been arguing all along that APL does
 20 not share the same environmental risk factors, and
 21 this notion that chemicals producing oxidative
 22 stress somehow tells you something about their
 23 leukemogenic potential, it's wrong. It just is not
 24 borne out by the scientific literature. You'd be
 25 hard-pressed to find a chemical that we have gone to

Page 63

1 any lengths to understand the mechanism of toxicity
 2 that does not induce oxidative stress: ethanol,
 3 ozone, acetaminophen, transition metals, Paraquat.
 4 I mean, they're just about all -- None of those are
 5 leukemogenic. So you cannot get to leukemia from a
 6 chemical that may or may not induce oxidative
 7 stress.

8 So that is an opinion that I have
 9 regarding his supplemental report.

10 Then he goes on to talk about a childhood
 11 study, a childhood leukemia study. The sentence
 12 reads "In a recent epidemiological study of
 13 childhood AML, we separated the good-prognosis AMLs
 14 with translocations and inversions from other forms
 15 of AML, and found a strong association between
 16 parental exposure to solvents and increased risk of
 17 these specific forms, again suggesting a common
 18 cause for APL and the other AMLs harboring
 19 translocations and inversions."

20 I can't read his mind so I don't know what
 21 he was thinking, but that study does not mention
 22 APL. There is no mention in there of a 15;17
 23 translocation, so I don't see how that study is
 24 supportive of what he writes in this report.

25 And then the last sentence of that

Page 64

1 paragraph reads "Finally, like APL, which has a
 2 constant incidence with age in adults" and then he
 3 references Douer 2003, and that Douer is an
 4 epidemiological study that has looked specifically
 5 at APL and finds no environmental or occupational
 6 risk factors. So that supports our position more
 7 than it does his.

8 And Moorman, there's another reference
 9 there, Moorman, Roman 2001, "suggesting a common
 10 etiology" is what it says. The abstract of Moorman
 11 clearly says that these different cytogenetic
 12 changes have different etiologies. I mean, it is
 13 very clear that they do not share the same
 14 etiologies, so....

15 Q. May I interrupt you for just a moment to
 16 ask you about that last comment. Do you have a copy
 17 of that Moorman paper with you?

18 A. I'm sure I do. Well, I wouldn't say
 19 "sure," but....

20 So the abstract reads, the last sentence
 21 -- oh, here it is -- "Our results suggest that
 22 smoking-associated risk from acute myeloid leukemia
 23 is restricted to a translocation a21 q22 q23
 24 subgroup. This supports the hypothesis that
 25 distinct cytogenetic subgroups of acute myeloid

Page 65

1 leukemia have separate etiologies." Which is pretty
 2 much what I've been saying all along.

3 Q. Okay, go ahead.

4 A. Let's see. In 7, "The notion that benzene
 5 cannot cause APL is refuted by the limited available
 6 epidemiological literature," that's his reading. He
 7 talks about the most well-known study, the Travis
 8 study -- Well, actually what he says is "The most
 9 well-known epidemiological cohort studies of
 10 benzene-exposed workers outside of China do not
 11 provide information about different subtypes of AML
 12 and consider AML as one disease," and then he lists
 13 several. But Cuneo and Middleman and Fagioli and
 14 these others, they're small studies, but they have
 15 done exactly this. They have gone in and said,
 16 well, what do these occupational leukemias look like
 17 and what cytogenetic changes do we see? And they
 18 all have some relevance to this question. They're
 19 small studies, I'll allow that.

20 Let's see. This is reading Dr. Smith's
 21 supplemental report: "If APL were really so
 22 different from AML, why is it not listed as a
 23 separate cause of death on death certificates and
 24 why are there no epidemiological studies that focus
 25 only on APL and benzene exposure?"

17 (Pages 62 to 65)

Page 66

1 Well, I disagree. I think there are and
2 I think he has identified some of them; and we just
3 talked about one with Douer. Why they are not
4 listed as a separate cause of death on the death
5 certificates, I don't know the answer to that.
6 I don't think you can rely too heavily on what's
7 written on those death certificates based on what
8 I know about how sometimes or at least historically
9 they were produced.

10 Then in paragraph 8 he writes "The notion
11 that benzene cannot cause APL is refuted by the
12 limited available epidemiological literature because
13 APL is seen in studies of workers exposed to benzene
14 where the subtypes of AML have been separately
15 analyzed." Well, he just said, the page before,
16 that that had never been done, so I don't get that.
17 That's sort of perplexing. And then he goes on to
18 say "have been separately analyzed and has been
19 found at higher levels than expected with an odds
20 ratio that exceeds 2."

21 Well, he's referring to his own sort of
22 behind-the-scenes calculations that may or may not
23 be right, may or may not be appropriate, but they
24 were not part of that study. And we have already
25 talked in some detail about Mele, so there's really

Page 67

1 no point in getting into that. He cites it as
2 evidence to support it, and I strongly disagree that
3 that study supports anything.

4 The Golomb calculations and this whole
5 debate over Golomb is even less -- I mean, there's
6 only one case of APL in that study. There's one
7 case of APL in the exposed, there's four or five
8 cases of APL in the unexposed, and yet somehow we
9 are expected to accept that this stands for the
10 proposition that exposure can cause this disease.
11 I just don't accept that. I think Dr. Garabrant has
12 gone into some detail about the math and the
13 appropriateness of the math, so I don't need to do
14 that.

15 So now I'm on 13, paragraph 13. Let's
16 see. I've got a bunch of things.

17 So what he writes is "Hydroquinone and its
18 oxidation product 1,4-benzoquinone have been shown
19 to cause chromosome damage and toxicity to a greater
20 extent in stem cells than in lymphocytes." That
21 again is somewhat misleading. He did publish a
22 paper where his definition of a stem cell was a CD34
23 positive marker and he saw some differences in
24 potency between CD34 positive cells and CD34 minus
25 cells.

Page 68

1 That is really the only evidence that I
2 can think of, and even there it wasn't consistent.
3 Lots of his markers, that wasn't true. There were
4 CD34 negative cells that were more susceptible. And
5 in real workers and exposed workers, lymphocytopenia
6 has consistently been shown to be the most sensitive
7 endpoint. So this notion that lymphocytes are far
8 less susceptible than bone marrow stem cells I don't
9 believe is supportable by the scientific evidence.

10 He referenced a couple of papers there,
11 Abernathy from 2004, which really doesn't address
12 this issue, and compares stem cells with lymphocytes
13 but does in fact talk about different cells of
14 origin, which is kind of what we've been discussing
15 earlier today.

16 I think Dr. Garabrant has gone into some
17 detail regarding the Travis study and whether or not
18 Dr. Smith's sort of behind-the-scenes interpretation
19 and mathematical calculations of that cohort are
20 appropriate. I happen to think they are not.
21 I think there are a lot of things wrong with that
22 cohort, but we don't need to go into it if it's
23 already been discussed.

24 So in paragraph 16, and this is getting to
25 really what we discussed earlier, he writes about

Page 69

1 Dr. Garabrant: "Overall, his critique could have
2 some validity if APL were a separate disease, which
3 it is not; it is a subtype of AML." And that's just
4 too simplistic of a view.

5 If you look at other collective groupings
6 of diseases such as non-Hodgkin's lymphoma, whether
7 it's 25 or 26 different forms that go into that
8 category, they absolutely have different etiological
9 risk factors. And the more people start separating
10 out that division, the clearer those distinctions
11 become evident. The same with myelodysplasia. It
12 is a very heterogeneous group of diseases and it is
13 becoming more and more evident that they have clear
14 distinctions in their etiology. Epidemiologists
15 will catch up and they will stop these collective
16 groupings of diseases for this very reason. It's
17 happening now with these others and it will happen
18 with AML as well. So, a little soapbox there,
19 I guess.

20 Q. The form of my question has invited that.

21 A. Yeah, and then there's some other
22 discussion about his use of proportions as opposed
23 to the incidence rate in the Chinese population with
24 regard to APL. When he calculates his odds ratio of
25 2.5, there is no statistical analysis; there's no

Page 70

1 confidence interval. There's no indication, really,
2 of what that means. And I don't think that that
3 supports his bolded conclusion that one can say to a
4 reasonable degree of probability that the odds ratio
5 for APL associated with benzene exposure in the
6 Chinese cohort is more likely than not greater than
7 2. I just disagree with that.

8 This whole notion of the healthy worker
9 effect with regard to the Chinese cohort doesn't
10 make any sense, as if you look at the overall
11 mortality in that cohort, the workers have a lower
12 mortality or a higher mortality than the controls.
13 So, if anything, it's going the opposite direction.
14 It would be an unhealthy worker effect as opposed to
15 a healthy worker effect. That doesn't make any
16 sense.

17 And then in 21, paragraph 21, he writes
18 "Both Dr. Bennett and Dr. Garabrant criticize the
19 pathology review in the Travis et al. study, but
20 they ignore the difficulties of performing such
21 research in China in the time period before 1987."

22 Well, I don't know whether they ignore the
23 difficulties of performing that research or not, but
24 whether they did or they didn't, it's irrelevant.
25 The pathology is inappropriate for the conclusions

Page 71

1 that Dr. Smith is drawing from it, and that's really
2 their point.

3 And then I think he starts talking about
4 me. Yes.

5 I think he is misinformed on the smoking,
6 the cigarette smoking literature. I think that
7 smoking literature is pretty clear in that the
8 different subtypes of AML have different risk
9 factors and that smoking is associated with AML,
10 according to just about every organization out
11 there. But if you look at those studies where they
12 have separated out the subtypes, APL is not one of
13 them. In fact, APL in at least two studies is
14 statistically significantly decreased in smoking
15 populations.

16 So whether you want to argue about the
17 benzene in the smoking and whether that has anything
18 to do with it, it's clear that there are differences
19 between APL and the other subtypes of AML with
20 regard to this particular risk factor. So this
21 whole paragraph, I think he's wrong. He just has
22 not looked at those studies carefully enough. There
23 are at least three that support that position and
24 there are none that are on the other side of that
25 argument which would say yes, APL is in fact

Page 72

1 elevated right along with the other subtypes.

2 Now a semantical argument about whether
3 I said what he said I said, and it's not really --

4 MR. LEGHORN: What paragraph are you
5 referring to?

6 A. Oh. 25. He said I said this, and I
7 really didn't say that, but it's not really worth
8 going into.

9 Okay, in paragraph 26 he questions the
10 relevance of me bringing up the alkylating
11 chemotherapeutic literature and dismisses it as
12 being irrelevant. And I disagree with that. There
13 are many investigators, and he has even written some
14 things in the past that would suggest that he also
15 at one point at least felt the same way, that
16 benzene-induced leukemias seem to follow that path
17 and that what we have learned from alkylating
18 chemotherapy does have relevance and bearing on what
19 we would expect a benzene-induced leukemia to look
20 like. And in that case you see virtually no APLs.

21 Okay, paragraph 27 is somewhat the same.
22 He is quite dismissive of my arguments over
23 threshold, which I still stand behind firmly. His
24 citation or the references that support his position
25 that there is no threshold are the USEPA and the

Page 73

1 California EPA, which is somewhat of -- it's an
2 interesting choice, since both of those
3 organizations as a matter of policy use the
4 precautionary principle, so they're making decisions
5 based on science policy, not based on scientific
6 data.

7 You get outside of the regulatory agencies
8 and I'm not aware of very many, if any,
9 toxicologists in the field who would argue that a
10 threshold is a hypothetical entity. In other words,
11 there is in fact a threshold. And even if you get
12 to the EPA and you see how they regulate chemicals,
13 they don't explicitly say, yes, we think there's a
14 threshold, but they regulate it as if there is.

15 Okay, so those organizations make
16 decisions based on policy. That was my point.

17 Okay, I'm not conceding the point that
18 I raised with regard to bimolane potentially
19 confounding the NCI study. I think what Dr. Smith
20 said is correct, that you would expect to see the
21 same effects on both the control and the exposed
22 population. But if you don't account for a known
23 confounder for this disease in that population, you
24 don't know whether it affected the results or not.
25 That's my point.

19 (Pages 70 to 73)

Page 74

1 He cannot say definitively that it is the
2 same, and so it is -- If you went to China now,
3 knowing what we know, and you are going to do an
4 epidemiological study on anything that had to do
5 with APL, it would be ridiculous to ignore bimolane
6 and to not ask the people, have you used this drug
7 in the past? It wouldn't get published. So I am
8 not willing to concede that point, although I think
9 he raised an interesting argument and I think he's
10 right on that one.

11 Okay, with the 15;17, it's kind of some
12 discussion in paragraph 29 and it's this and it's
13 that and we did this, but the bottom line is: Does
14 it change? And that is, there is no evidence that
15 benzene causes 15;17. And that was my point.

16 This is kind of semantical too, but I
17 say -- which he apparently took issue with in
18 paragraph 30 -- that in the report that he and David
19 Eastmond and colleagues wrote in 2002 that the 15;17
20 translocation was not even discussed. And then he
21 wrote "I would refer him to Table 2 in that
22 publication, which lists the abnormal karyotypes"
23 blah blah blah. Okay. It doesn't change the fact
24 that he didn't discuss it, which he did not.

25 So this is a review paper in 2002 of all

Page 75

1 of the cytogenetic changes that people think are
2 caused by benzene, and it was not even a topic of
3 discussion in that paper. The studies that he lists
4 in Table 2 do break out the subtypes, but these are
5 the ones that we have talked about, Cuneo and
6 Middleman and Richardson and some of these others,
7 and, yes, there's one in the unexposed, but then
8 there's four or five -- I'm sorry -- there's one in
9 the exposed but there's four or five in the
10 unexposed. That supports my position, not his.

11 Okay, and question -- excuse me --
12 paragraph 31 is talking about China and he says
13 "However, my point is that benzene, like
14 topoisomerase-inhibiting drugs, can induce APL."
15 He's wrong. It doesn't. And then -- Oh, and then
16 his argument "Such an event may have a biological
17 threshold but will not have a population threshold
18 and is likely to occur only in highly susceptible
19 individuals." I don't even know what that means.
20 And I'm not trying to be cute. I really don't know
21 what he means by that.

22 Okay, and paragraph 32, he writes "This
23 more likely than not explains why 11q23
24 translocations are not usually observed with benzene
25 exposure." I agree with that, that you don't see

Page 76

1 it. However, "I conclude" -- and I'm reading from
2 his report -- "I conclude that arguing that benzene
3 doesn't produce translocations at 11q23 and
4 therefore is not a topoisomerase inhibitor in humans
5 is invalid and it lacks any foundation."

6 That's not what I said. What I said in my
7 report was that it was inconsistent with what we
8 would expect a topoisomerase inhibitor to be doing,
9 that the 11q23 in 80, 90 percent of the AMLs
10 associated with etoposide exposure display that
11 cytogenetic change and you don't see it in benzene
12 workers. And if you look at the structure of
13 etoposide and you actually follow through the
14 metabolism of etoposide, it produces catechols, it
15 produces quinones, it produces semiquinones and
16 hydroquinones. It produces all the same structures
17 that you would associate with benzene metabolism.
18 So you would look at that and think, if it's going
19 to be doing this, it's going to be doing it as
20 etoposide. It's going to act as that mechanism
21 based on the structural similarities, and so far
22 that has not been borne out by the literature.

23 Q. Let me stop you just a second, Doctor,
24 with respect to that issue. How many studies are
25 you aware of that have looked for 11q23 in benzene-

Page 77

1 exposed workers?

2 A. Just the one that I'm aware of.

3 Q. Go ahead.

4 A. And this is where I'm out of date and
5 Jandl's textbook is out of date and we need to pay
6 more attention to the leukemic stem cell theory.
7 And that certainly has been an important development
8 in experimental hematology, but it doesn't change
9 the fact that there are different cells of origin
10 for these diseases. So, really, you kind of got to
11 this one earlier in our discussion, so there's not
12 much point in going through this.

13 He did say something kind of confusing to
14 me in the report, his supplemental report. This is
15 in paragraph 33: "All active researchers that
16 I know in this field understand this concept and do
17 not debate whether benzene causes only some forms of
18 AML and not others." And then at his deposition
19 what he really meant by that was that they're just
20 not out there talking about it, not that they
21 totally accept his view. It's just they're not
22 talking about it.

23 Well, I disagree with that. I have been
24 in many conversations with people where this is a
25 topic of conversation and people are very interested

20 (Pages 74 to 77)

Page 78

1 in these different subtypes and there are going to
2 be studies that will be coming out in the next year
3 or two where they are going to be doing subtype
4 analysis. So this is not a moot point and just some
5 esoteric question that we're bringing up for the
6 purposes of this litigation; it is a legitimate
7 hematopoietic question.
8 Then the last one was "In conclusion,
9 I find Dr. Pyatt to be in error on many points, and
10 I find most of his criticisms of my original report
11 to be invalid." So maybe, you know, which ones did
12 he find to be valid? I couldn't tell. He said he
13 finds "most" of them, so there must have been some
14 that he thought were legitimate and I don't know
15 what those are. Okay.
16 Are you sorry you asked?
17 Q. No, I'm not. Thank you, Doctor.
18 A. Do you want this?
19 Q. Actually, you can go ahead and keep that.
20 MR. JENSEN: What I would like to do is
21 take a five-minute break; and after that break I
22 anticipate I will have a few minutes' more worth of
23 questioning, but I don't think we're going to need
24 to take a lunch break.
25 MR. LEGHORN: Okay.

Page 79

1 (In recess 11:29 a.m. to 11:39 a.m.)
2 BY MR. JENSEN:
3 Q. Dr. Pyatt, have you reviewed Carl Cranor's
4 two reports in this case?
5 A. I read them.
6 Q. I am going to read to you a portion from
7 Dr. Cranor's supplemental declaration, on page 3, at
8 paragraph 6.
9 A. Go ahead. I'm going to track it down.
10 Q. All right. The second sentence of
11 paragraph 6 says, "As my earlier report indicated,
12 'An expert reviews the body of data that appear to
13 bear on causal judgments, selects the scientifically
14 relevant data, assesses and weighs studies for their
15 quality, weighs the importance of different kinds of
16 data vis-à-vis one another, e.g., animal studies
17 versus human studies versus short-term studies
18 versus structure/activity relationships versus
19 mechanistic evidence versus any case studies and so
20 on, and brings her background understanding of
21 biology and toxicology as well as her understanding
22 of the phenomena to the causal issues." Do you
23 agree with that statement in Dr. Cranor's report?
24 MR. LEGHORN: Object to the form.
25 A. I like the "her understanding." Sure,

Page 80

1 yes.
2 Q. Next sentence says "Scientific judgment
3 also enters into integrating all the data and how it
4 bears on evaluating different possible explanations
5 in light of all the evidence and the particular
6 phenomena to be explained, e.g., a disease." Do you
7 agree with that statement?
8 MR. LEGHORN: Object to the form.
9 A. I agree with that.
10 Q. Paragraph 7 is the next paragraph and it
11 says "One practical import of this inferential
12 process is that there are numerous places for
13 scientific experts to disagree." Do you agree with
14 that?
15 MR. LEGHORN: Object to the form.
16 A. Well, I mean, it's a little vague. It
17 depends on what the issue is that you're talking
18 about whether or not you're going to find numerous
19 places for scientific experts to disagree. So it's
20 hard to know yes or no on that one.
21 Q. Okay. Then in the following sentences he
22 gives some examples and we'll see if you agree with
23 any or all of his examples. He says "They can
24 select somewhat different studies for
25 consideration." Do you agree with that?

Page 81

1 MR. LEGHORN: Object to the form.
2 A. He wrote "They can assess the same
3 studies" -- Oh, no, there it is, "They can select
4 somewhat different studies for consideration."
5 I don't understand that. Why would they do that?
6 Why would people select different studies? We all
7 should be looking at all of the data that's out
8 there. You wouldn't be picking data that supports
9 your opinion and ignoring the rest.
10 Q. Okay. He says, the next sentence, "They
11 can assess the same studies somewhat differently or
12 give studies of the same kind, e.g., epidemiological
13 studies, somewhat different emphases or weight." Do
14 you agree with that?
15 MR. LEGHORN: Object to the form.
16 A. Well, "They can assess the same studies
17 somewhat differently," I think that's absolutely
18 true based on your background and your training and
19 what you're looking at, "or give studies of the same
20 kind somewhat different emphases or weight." Well,
21 again, that's so vague, it's hard to really know
22 what he's talking about, but I think that's probably
23 right.
24 Q. And he attempts to clarify, I think, with
25 his next sentence: "That is, one scientist might

21 (Pages 78 to 81)

Page 82	Page 84
1 give one study or set of studies greater weight than 2 another scientist." Do you agree with that? 3 MR. LEGHORN: Object to the form. 4 A. Well, I mean, I think that there is a 5 general consensus in the scientific community about 6 the relative weight of data and while you might have 7 one person who says I believe this mechanistic study 8 is the most important piece of data out there, that 9 isn't generally considered the hierarchy of power, 10 if you will, of these scientific studies. So I 11 think there is general consensus on how you should 12 rank scientific and epidemiological data, 13 particularly with regard to understanding the 14 potential between chemical exposure and a disease 15 that may not arise for five or ten years. 16 Q. The next sentence says "When they need to 17 draw overall conclusions and integrate different 18 kinds of evidence, they can weigh and evaluate 19 different kinds of studies, e.g., epidemiological 20 studies v. animal data v. mechanistic data, 21 differently." Do you agree with that sentence? 22 MR. LEGHORN: Object to the form. 23 A. I think that's kind of the same thing that 24 we just said earlier. I mean, I think there is 25 consensus depending on the relative weight of, say,	1 different kinds of data with respect to general 2 causation questions? 3 A. The hierarchy of -- ? I think it's pretty 4 standard epidemiological methodology and you would 5 find something to that effect in any epidemiology 6 text. And I think it is consistent with the 7 Bradford-Hill criteria or statements or comments or 8 whatever you want to call that list of the way to 9 evaluate scientific data. I think it's all 10 consistent. 11 Q. The last sentence of paragraph 7 says 12 "As Kuhn indicated for theory choice, there are no 13 algorithms, there has been no progress on 14 algorithms, and quite good well-qualified scientists 15 can and do disagree." Assuming that Dr. Cranor 16 intended that sentence to apply to scientific 17 inquiry into general causation questions with 18 respect to chemical exposures and disease, do you 19 agree with that statement? 20 MR. LEGHORN: Object to the form. 21 A. I don't know what that statement means. 22 I don't know who Kuhn is. I don't know what this 23 theory choice is. I don't know what he's referring 24 to. I can't take that sentence and superimpose it 25 over your question. They just seem very different
Page 83	Page 85
1 these three piles of data here depending on what 2 you're trying to do. And if what you are trying to 3 do is understand whether or not benzene is causally 4 linked to an increased incidence of APL, the 5 epidemiological data is the most important. The EPA 6 and other regulatory agencies, if they are trying to 7 calculate a cancer slope factor for a particular 8 chemical, they will frequently use animal data 9 because you have better quantitation. You have 10 better doses and better control groups and they can 11 get a clearer dose response, whereas with the 12 epidemiological studies they frequently can't do 13 that. 14 If you wanted to understand how does my 15 drug work, then the mechanistic data is probably 16 going to be the most important for that particular 17 question. So depending on what you're going after, 18 then you would probably rank these things 19 differently. I think for the question on the table 20 right now, I don't think there would be much 21 disagreement about how you should be evaluating, for 22 example, these three cases, these examples in 23 answering this question. 24 Q. Do you have any citations to literature to 25 support your statement regarding the hierarchy of	1 to me. So, I mean, I'll try to answer your 2 question, but I don't know what this sentence means. 3 Q. Okay. 4 Dr. Pyatt, has any of your research with 5 respect to benzene and its metabolites been funded 6 in whole or in part by industry? 7 MR. LEGHORN: Object to form. 8 A. Well, I don't know the answer to that 9 question. When I was working on those studies with 10 Rich Irons, we had two government grants from NIH 11 and there was also some API money. And where the 12 money came from to fund those specific experiments, 13 I don't know. It could have been either one. My 14 gut feeling is that it was from NIH, but it could 15 have been from API. 16 Q. Was Dr. Irons your graduate adviser? 17 A. Yes. 18 Q. How many articles have you published 19 relating to benzene and its metabolites since you 20 left Dr. Irons' research group? 21 A. None. 22 Q. When was that that you left Dr. Irons' 23 research group? 24 A. Well, it was kind of a transition. You 25 know, I started getting grants on my own and paying

22 (Pages 82 to 85)

Page 86

1 my own salary and started working on other things
2 probably 2000, 2001. I don't honestly remember when
3 I stopped receiving any support from Dr. Irons'
4 grants, but it was somewhere around that time frame.
5 Q. How would you describe the focus of your
6 own research today?
7 A. My research today or how would I describe
8 it throughout time?
9 Q. Well, let's put it this way. How would
10 you describe the focus of your research since you
11 left Dr. Irons' research group?
12 A. I have always been interested in bone
13 marrow toxicity and hematopoiesis and so my research
14 funding for the two or three years after when I was
15 at the university were dealing with hematopoiesis
16 and hematopoietic stem cells as therapeutic targets
17 and toxicity associated with those therapeutic
18 targets. I have also been -- I'm sorry. Toxicity
19 associated with some of the storage methodologies
20 for those therapeutic targets. Immunotoxicity,
21 I had a grant to look at that.
22 Since I left the university full time in
23 2003 or 2004, then I have been mostly in a
24 consulting role and had limited affiliation with
25 bench, kind of wet biology. And then the kind of

Page 87

1 research that I have done recently has been dealing
2 with published data and not necessarily generating
3 my own data per se.
4 Q. So you would characterize your current
5 research as evaluation of other people's published
6 data, published research. Correct?
7 A. I think, yeah, that's reasonable. And we
8 have some studies and things going on where we get
9 to look at new data, but it's harder.
10 Q. Is it fair to say that in your role today
11 as a consultant, that a fair number of the projects
12 that you've become involved with in evaluating
13 published data include data published by Dr. Martyn
14 Smith?
15 A. No.
16 Q. Would you agree that there is no algorithm
17 available instructing scientists investigating
18 issues of general causation how to apply the
19 Bradford-Hill factors to a given set of data?
20 MR. LEGHORN: Object to the form.
21 A. Could you define what you mean by an
22 algorithm? Do you mean like a precise road map on
23 how to use those -- ? Go ahead. Define algorithm.
24 Q. Yes, let's say that that's the definition
25 of algorithm.

Page 88

1 A. Okay. I'm sorry. What's your question
2 again?
3 Q. Whether you believe there is no algorithm
4 as you've defined it for purposes of instructing
5 scientists who are evaluating general causation
6 questions as to how to apply the Bradford-Hill
7 factors.
8 MR. LEGHORN: Object to the form.
9 A. No, I wouldn't agree with that.
10 Q. And what is the algorithm?
11 A. Well, I mean, I think when you read
12 through these criteria, you read his paper, it's
13 pretty clear what you should be doing. And he's not
14 the only one. There's Paustenbach and there are
15 others that go through the same evaluative process,
16 here's how we look at that data, and I don't think
17 that there is that much disagreement about how you
18 should go about doing that.
19 Q. Would you agree that there is no algorithm
20 available to assess the relative weight of one
21 epidemiological study versus another?
22 MR. LEGHORN: Object to the form.
23 A. There's that word again. I mean, I think
24 epidemiologists and scientists that are looking at
25 human data, there are criteria by which you can rank

Page 89

1 and think about the strengths of these various
2 studies based on the size and their appropriately
3 taking care of confounders and all of the other
4 aspects. I mean, I think there is still a criteria
5 that can be used to evaluate the relative ranking.
6 Q. Does any such relative ranking in your
7 view still depend upon application of the subjective
8 scientific judgment of the researcher who's doing
9 the evaluation?
10 MR. LEGHORN: Object to the form.
11 A. Say that again?
12 Q. I'm not sure I can get it out again. I'll
13 try.
14 Would you agree that any scientist who is
15 assigning relative weight to be given to one
16 epidemiological study versus another must in the
17 course of that evaluation exercise subjective
18 scientific judgment as part of that exercise?
19 MR. LEGHORN: Object to the form.
20 A. No, not the way you worded it. I mean,
21 again, I think that if you're just looking at
22 epidemiological data, it's going to depend on the
23 question that you are trying to address. But I
24 think it is generally accepted across the board that
25 cohort studies are going to be the best data, case-

DAVID W. PYATT, PH.D.

Page 90 1 control studies secondary to that, and case reports 2 at the bottom of that pile. And I don't think 3 there's going to be much subjectiveness in terms of 4 that ranking depending on what question you're 5 asking. If it's a rare disease, then other things 6 than case-control studies might be the only way to 7 go about it. But I still think that ranking holds. 8 Q. With respect to APL specifically, would 9 that qualify as a rare disease under whatever 10 definition you were using in your last answer? 11 A. Well, I didn't have a precise line drawn 12 in the sand to say it's rare or not rare. We 13 already talked about this: I think APL is a rare 14 disease. 15 Q. Within the category of cohort studies and 16 comparing one cohort study to another and trying to 17 assign the relative weight to give to one cohort 18 study versus another cohort study, would you agree 19 that that process necessarily requires the exercise 20 of subjective scientific judgment? 21 MR. LEGHORN: Object to the form. 22 A. My answer to that would really be twofold. 23 First is, that type of comparison is within the 24 purview of an epidemiologist, and I don't get into 25 that very often. But I do not believe that that	Page 92 1 is. 2 Q. Well, here's the point. Even if both 3 experts agree that there are a set of objective 4 criteria to apply in assigning weight to studies, 5 the two experts might apply those criteria 6 differently. Right? 7 MR. LEGHORN: Object to the form. 8 A. There might be subtle differences. But I 9 wouldn't think there are going to be differences on 10 the big issues. You know, is this a big enough 11 study to address this question? What is the 12 statistical power? Those kinds of things, you know. 13 Are the confounders identified? Have they been 14 stratified out? Those kinds of issues, no, I don't 15 think there is going to be much discussion on that. 16 Whether you're happy with the conclusions or are the 17 conclusions supportable by the data, yeah, you might 18 have some disagreement there. 19 MR. JENSEN: That's all I've got for you 20 today, Dr. Pyatt, unless somebody else wants to ask 21 questions. 22 MR. LEGHORN: I don't think so. Any 23 questions? Any questions on the phone? 24 The hammer comes down. Done. 25 (Deposition concluded at 12:02 p.m.)
Page 91 1 comparison would be subjective. I think it would be 2 based on a set of objective criteria that everyone 3 would identify and know what they're talking about 4 and not I like this one versus I don't like that one 5 because it supports my opinion or whatever the 6 subjective reason is. It's going to be based on the 7 size and the statistical power and all these other 8 things that the epidemiologists would evaluate. 9 Q. But you would agree that, despite the 10 existence of objectively definable criteria by which 11 to assess the issue of which study is worth 12 assigning more weight to than another, that 13 reasonable scientists can and do disagree about that 14 issue all the time? 15 MR. LEGHORN: Object to the form. 16 A. No, I don't agree with that. 17 Q. You don't? You don't agree that 18 reasonable scientists assign different weight to one 19 cohort study versus another with respect to issues 20 of exposure and disease? 21 A. Based on -- 22 MR. LEGHORN: Objection, argumentative. 23 A. Based on objective criteria, perhaps. But 24 it shouldn't be occurring on this subjective 25 criteria that you were talking about, whatever that	Page 93 1 COURT REPORTER'S CERTIFICATE 2 I, J. Edward Varallo, RMR, CRR, Registered 3 Professional Reporter and Notary Public in the 4 Commonwealth of Massachusetts (my commission expires 5 12/24/2015), hereby certify that the deposition of 6 David W. Pyatt, Ph.D. taken on February 19, 2009, in 7 the matter of Brian K. Milward and Linda J. Milward 8 v. Acuity Specialty Products Group, Inc., et al. was 9 recorded by me stenographically and transcribed; 10 that before being sworn by me, the deponent provided 11 satisfactory evidence of identification as required 12 by Executive Order 455 (03-13) of the Governor. 13 I certify that the deposition transcript 14 produced by me is true and accurate to the best of 15 my ability. 16 I certify further that I am not counsel, 17 attorney, or relative of any party litigant, and 18 have no interest, financial or otherwise, in the 19 outcome of this suit. 20 21 22 23 24 25 DATED: 2/25/2009 J. Edward Varallo

24 (Pages 90 to 93)

DAVID W. PYATT, PH.D.

Page 94

1 WITNESS: David W. Pyatt, Ph.D.

2 DATE: February 19, 2009

3 CASE: Brian K. Milward and Linda J. Milward v.

4 Acuity Specialty Products Group, Inc.,

5 et al.

6

7

8 DISTRIBUTION TO COUNSEL The original signature

9 page/errata sheet was sent to Joseph J. Leghorn,

10 Esq., to obtain signature from the deponent. When

11 signed, please send original to Steve Baughman

12 Jensen, Esq., who will supply a copy of the signed

13 errata sheet to other counsel present at the

14 deposition.

15

16

17 WITNESS INSTRUCTIONS After reading the transcript

18 of your deposition, please note any change or

19 correction and the reason for it on the errata

20 sheet. DO NOT make any notations on the transcript

21 itself. Use additional sheets if necessary.

22

23

24 SIGN AND DATE THE ERRATA SHEET and return it, along

25 with the transcript, to your counsel.

25 (Page 94)

