

COPY

IN THE DISTRICT COURT OF THE UNITED STATES
EASTERN DISTRICT OF KENTUCKY
PIKEVILLE DIVISION

EQUITABLE RESOURCES ENERGY
COMPANY, a West Virginia
Corporation,

Plaintiff,

VS.

No. 91-441

MONSANTO COMPANY, a
Delaware Corporation,

Defendant.

DEPOSITION OF R. EMMET KELLY, M.D.
TAKEN ON BEHALF OF THE PLAINTIFF.

October 6, 1992

RICHARD E. SCHROEDER

Registered Professional Reporters
818 Olive
St. Louis, MO 63101
621-0107

Richard E. Schroeder
Registered Professional Reporters
400 N. Fourth - Suite 910
St. Louis, MO 63102
(314) 621-0107

October 21, 1992

R. Emmet Kelly, M.D.
665 S. Skinker Blvd.
St. Louis, MO 63105

IN RE: EQUITABLE RESOURCES ENERGY CO.
 VS. MONSANTO COMPANY

Dear Doctor:

Your deposition given on Oct. 6, 1992, has been transcribed, and is now ready to be read and signed by you. Please call and make an appointment at your earliest convenience to come downtown to this office to handle this matter.

If you have not called us within thirty days, your deposition will be filed absent your signature.

I am enclosing correction sheets for your convenience in case you have been furnished a copy of your testimony by counsel. Please return them to my attention at the address shown on this letter, along with a notarized signature page found at the end of the transcript.

Yours very truly,

Richard L. Saunders

cc: Gregory L. Monge, Esq.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE DISTRICT COURT OF THE UNITED STATES
EASTERN DISTRICT OF MISSOURI
EASTERN DIVISION

EQUITABLE RESOURCES ENERGY	)	
COMPANY, a West Virginia	)	
Corporation,	)	
	)	
Plaintiff,	)	
	)	
VS.	)	No. 91-441
	)	
MONSANTO COMPANY, a	)	
Delaware Corporation,	)	
	)	
Defendant.	)	

DEPOSITION OF R. EMMET KELLY, M.D.,
produced, sworn, and examined on the part of the
PLAINTIFF, used in an action pending in the United
States District Court within and for the Eastern
Division of the Eastern Judicial District of
Missouri, in re: EQUITABLE RESOURCES ENERGY
COMPANY, a West Virginia Corporation, VS. MONSANTO
COMPANY, a Delaware Corporation, on October 6, 1992,
at the office of Husch, Eppenberger, Donohue,
Cornfeld & Jenkins, 100 North Broadway, St. Louis,
Missouri, before Richard L. Saunders, a Notary
Public within and for the City of St. Louis, State
of Missouri.

A P P E A R A N C E S:

McCoy, Baker & West
309 North Broadway
Lexington, Kentucky 40592-1660
By: Michael D. Baker, Esq.
And Charles E. Beal, II, Esq.
For The Plaintiff.

Smith, Helms, Mulliss & Moore
227 N. Tryon St.
Charlotte, NC 28231
By: Rolly Chambers, Esq.
For The Defendant.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBIT INDEX

<u>PLAINTIFF'S</u>	<u>PAGE</u>	<u>MOVED IN EVIDENCE</u>
12	84	179
13	94	179
14	100	
15	102	179
17	112	179
28	115	179
20	118	
21	120	
22	121	
23	121	
24	121	
25	121	
26	121	
27	121	
28	121	
70	123	179
98	128	179
99	128	179
76	137	179
88	147	
92	158	179
93	160	179
95	160	179
96	165	179
97	168	

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

R. EMMET KELLY, M.D.,

of lawful age, produced, sworn, and examined on
behalf of the PLAINTIFF, deposes and says:

DIRECT EXAMINATION

BY MR. BAKER:

Q. Dr. Kelly, my name is Michael Baker and
Mr. Beal and I are attorneys from Kentucky. We
represent a company that has a plant in Kentucky
that used Pydraul AC as a lubricant back in the
'60's and early '70's and we have a lawsuit against
Monsanto. We've been required by various agencies
to do some remediation in our plant facility and we
claim that part of the blame or all of the blame
goes to Monsanto and we have a lawsuit pending in
federal court in Kentucky over that.

We are here to try to gain some
information from you about what was done at Monsanto
with regard to PCB's, particularly Aroclors, and
later the Pydraul products while you worked with
them. Our purpose today is not to try to outfox you
or trick you into saying something you don't mean
but we're merely here to get information. So if I
ask you anything and you don't understand what I'm
asking at any time, feel free to stop and ask me to

1 restate it or rephrase it, or if you went to take a
2 break and confer with counsel for Monsanto, feel
3 free to do so.

4 A. Yes, sir.

5 Q. Have you given your deposition before?

6 A. Yes, sir.

7 Q. Approximately how many times?

8 A. 20 times.

9 Q. State your name, please?

10 A. Robert Emmet Kelly, K-E-L-L-Y.

11 Q. And where do you live?

12 A. 665 South Skinker, S-K-I-N-K-E-R,
13 St. Louis, Missouri, 63105.

14 Q. What is your educational background?

15 A. Following high school I went to St. Louis
16 University. I received a Bachelor of Science Degree
17 in 1930. It was a combined course. And an M. D.
18 Degree in 1932.

19 Q. After you got your M. D. Degree in 1932,
20 what did you do?

21 A. I spent three years at St. Louis City
22 Hospital as Intern, Assistant Resident and Resident
23 in general medicine.

24 Q. Did you obtain any board certification or
25 any other evidence of specialty in internal medicine

1 or in any other field?

2 A. Yes, I'm certified in internal medicine,
3 recertified in internal medicine and certified in
4 occupational medicine under the general blanket of
5 preventive medicine. It's a subspecialty under
6 preventive medicine but they are both recognized
7 boards.

8 Q. After your three years at City Hospital,
9 what did you do?

10 A. I started practice at St. Louis, Missouri,
11 and after about six months I became a part time
12 physician at the Monsanto Plant in St. Louis.

13 Q. Would that have been in about 1935 or '36?

14 A. 1936 I started with Monsanto.

15 Q. You said you were part time with Monsanto.
16 Did you continue to practice in your own practice
17 also?

18 A. Yes.

19 Q. I'll try not to interrupt you unless I'm
20 confused about something. Just tell us what you did
21 with Monsanto, starting in 1936 through the present
22 time.

23 A. When I went with Monsanto in 1936 I was a
24 plant physician at the Queeny Plant, and that was
25 called Plant A in St. Louis. There I took care of

1 the occupational injuries, any occupational
2 illnesses. I carried out a preventive medical
3 program of preemployment examinations and routine
4 reexaminations. I stayed there at the Queeny Plant
5 -- at the Plant A at that time, they changed their
6 name -- until January of 19 -- no, March of 1942,
7 when I went in the service. I stayed there until
8 1946 when I returned from the service.

9 During the latter years of the '30's I
10 visited some of the other plants on more or less
11 spot occasions. In other words, I was the only
12 doctor within hailing distance of the general
13 offices so when they had some problems in other
14 plants they would call me and I went there. I
15 didn't have any direct responsibility but I was sort
16 of the Medical Director without portfolio as you
17 might say.

18 When I came back from the service in
19 1946 I set up a central medical department, a
20 Corporate Medical Department, which was a staffed
21 department at which I was given authority and
22 responsibility over the other plants and
23 laboratories the company had as far as their medical
24 program was concerned.

25 I stayed in that position until I

1 retired in 1974. November '74, although, of course,
2 the scope in the department enlarged during those 30
3 years or so, I was with Monsanto for a year on a
4 consulting basis on a retainer. And then following
5 1975 I am treated just as any other client on a
6 fee-for-service basis.

7 Q. From 1936 until you went into the service
8 you were at the Queeny Plant or Plant A?

9 A. That's correct.

10 Q. During that period did you work at any
11 other plants or visit any of the other plants?

12 A. Well, geographically, the Queeny Plant was
13 situated right next to the general office, so the
14 company enlarged and they went in the phosphorus
15 business and other chemicals, so there were medical
16 problems that were either actual or potential, so
17 they looked around and said, well, what do we do?
18 We've got a doctor next door, let's talk to him. So
19 I started doing sort of fire fighting jobs during
20 those years until '48 -- I mean until '42. By about
21 1940 I was going around to all the plants rather
22 than wait for them to call me, but I was not
23 officially a part of the Medical Director but they
24 knew I was next door to the boss so, in essence, I
25 had the authority, although it wasn't written down.

1 Q. Was this still a part time job at that
2 time?

3 A. Yes, it was.

4 Q. And you still had a practice of internal
5 medicine?

6 A. Private practice, that's correct.

7 Q. From 1936 until you went in the service in
8 '42, approximately what percentage of your time was
9 spent working for Monsanto?

10 A. Probably 50 percent I would imagine. I was
11 out of town about 30 days or something of that sort.

12 Q. Were you the only physician employed by
13 Monsanto during that time period?

14 A. Well, of the various plant physicians I was
15 the only one employed in the St. Louis area. Most
16 of the plants -- some were real small, 40 people,
17 had a doctor on call, but other ones had either a
18 doctor part time or a doctor under retainer.

19 Q. I believe they had a plant in Anniston,
20 Alabama?

21 A. That's correct.

22 Q. During that time period?

23 A. Yes.

24 Q. Did you have occasion to visit that plant?

25 A. Yes, I did.

1 Q. Do you recall what it was that caused you
2 to go to Alabama or caused Monsanto to ask you to go
3 to Alabama?

4 A. Yes, that's -- this plant was bought from
5 the Swann Chemical Company by Monsanto in 1935 or so
6 before I came -- was associated with Monsanto.
7 During the middle '30's or '34 or '35 the Swann
8 people had a -- I don't want to say a rash, they had
9 several cases of chloracne, which is a dermatitis,
10 and that was rather unusual for the plant. It
11 turned out though it came from an off-specification
12 benzene they had bought for several batches where
13 they were making PCB's. And that was in the files,
14 it wasn't -- and I saw it there. And one of our
15 company customers had -- they were using chlorinated
16 naphthalene and using 10 percent of one of our
17 materials. It wasn't PCB. Instead of chlorinated
18 biphenyls it was chlorinated biphenyl benzene and
19 they developed some chloracne, so to get a firm
20 picture of the whole affair I went down to Anniston
21 to see if they were having any trouble.

22 Q. Approximately when was that?

23 A. Beg your pardon?

24 Q. About what year was that?

25 A. Probably early '37.

1 Q. So Monsanto knew and you knew by 1937 that
2 chlorinated hydrocarbons under certain circumstances
3 caused chloracne?

4 A. Oh, yes. Well, it was pretty well known
5 that chlorinated naphthalenes since 1900 had caused
6 chloracne, so it was a pretty well known thing there
7 was a thing such as chloracne from chlorinated
8 hydrocarbons.

9 Q. You went away to the service. Were you a
10 physician in the service or did you have some
11 other --

12 A. No, I was with the Medical Corps but I was
13 attached to chemical warfare installations. I was
14 at Pine Bluff Arsenal at Pine Bluff, Arkansas, and
15 then Edgewood Medical Center at Edgewood, Maryland.

16 Q. Did you in any way come into contact with
17 chlorinated hydrocarbons during your time in the
18 service?

19 A. Might have been some solvents but no PCB's,
20 certainly. We had enough problems with the war
21 gasses and things of that sort.

22 Q. So you came back to St. Louis then and you
23 were discharged in 1946?

24 A. That's correct.

25 Q. And that was when the Corporate Medical

1 Department at Monsanto was formed?

2 A. That's correct.

3 Q. Were you placed in charge of that
4 department?

5 A. Yes.

6 Q. Were there other physicians who worked with
7 you?

8 A. Not then, but we gained a part time
9 physician who was a Professor at Washington
10 University. I think we did that in probably '48 or
11 something like that. Eventually we ended up with a
12 full time associate and three part time physicians
13 in the general offices.

14 Q. Was your position a full time position at
15 this time?

16 A. Yes.

17 Q. Did you have any private practice on the
18 side?

19 A. No, I might have had an occasional
20 consultation or something like that, but --

21 Q. But you didn't have an office and staff?

22 A. No.

23 Q. At any time between 1946 and 1974 did you
24 have a private practice or practice other than your
25 job at Monsanto?

1 A. Other than what?

2 Q. Your job at Monsanto.

3 A. No.

4 Q. Since 1974 when you retired or 1975 when
5 your retainer expired have you had a private
6 practice?

7 A. No, I was associated with the Barnes-Sutter
8 Occupational Clinic in St. Louis for about -- to
9 answer your question, I did not have a private
10 practice but I was associated with Barnes-Sutter
11 Clinic for three days a week when I would see their
12 occupational cases three and a half days a week.

13 I was Medical Director of what was
14 really a part time basis of Consolidated Aluminum
15 Company in St. Louis and they had about 12 plants
16 throughout the country and I spent probably 30 days
17 a year with that, but no private practice.

18 Q. You said in the beginning that you had
19 given your deposition approximately 20 times?

20 A. Yes, give or take.

21 Q. I'm not going to ask you to identify each
22 of those. Have those primarily dealt with PCB's or
23 have you been deposed on other topics also?

24 A. I would say that 80 percent were PCB's.

25 Q. Have you testified previously in trials

1 involving PCB's?

2 A. Yes.

3 Q. Can you, to the best of your recollection,
4 tell us what trials you've testified in or where
5 they were or give us some identification of those
6 cases?

7 A. One was in Broken Ax, Minnesota. I don't
8 recall the name of the -- Broken Ax is 60 miles
9 north of Saginaw, Michigan.

10 Q. Approximately when was that?

11 A. Six years ago. Then there was a case in
12 Texas at Beaumont, Texas. I think that was Scott if
13 I'm not mistaken.

14 I testified in the Stroh Diecasting
15 Company in Milwaukee probably three years ago I
16 believe, I'm not sure. And there was one downtown
17 in St. Louis but I forget the name of it. That was
18 about a year and a half ago.

19 Q. Did you testify in the case in Bloomington,
20 Indiana?

21 A. No, I gave a deposition.

22 Q. To the best of your recollection what
23 product was involved in the Minnesota case?

24 MR. CHAMBERS: Just make an objection for
25 the record on the relevancy on the inquiry that

1 doesn't involve Pydraul AC.

2 A. It was one of the Aroclors. I don't know
3 whether it was 1254 or 1242. It was in paint that
4 went in silos that flaked off.

5 Q. (By Mr. Baker) And to the best of your
6 recollection what product was involved with the
7 Texas case?

8 MR. CHAMBERS: If you remember.

9 A. I don't remember. It was -- I just don't
10 remember. It was not a Pydraul though.

11 Q. (By Mr. Baker) And to the best of your
12 recollection what product was involved in the Stroh
13 case?

14 A. The Stroh might have been a Pydraul.

15 Q. And the St. Louis case?

16 A. That was an electrical PCB, probably 1248,
17 but I'm not certain.

18 Q. You said you had given your deposition in a
19 number of cases and that about 80 percent of those
20 involved PCB's. To the best of your recollection
21 can you tell us what cases you've given depositions
22 in that involve any of the Pydraul line of products?

23 A. Well, I would say the Stroh was one. You
24 know, some Pydrauls are PCB's and some Pydrauls are
25 not PCB's. I mean, are you familiar with the

1 nomenclature of these things?

2 Q. I'm becoming more and more so. We would be
3 interested in finding out cases you testified in
4 involving Pydraul PCB's or PCT's and, hopefully, we
5 will not have to become interested in any of the
6 later Pydrauls.

7 A. Okay, I know the Stroh case. I can't
8 recall any others over the years that I may very
9 well have testified.

10 Q. You said that after your retainer for one
11 year, after that period ended you did some work for
12 Monsanto on a fee-for-service basis and, frankly,
13 I'm not interested in how much, exactly how much
14 they pay you, but do you bill that on an hourly rate
15 or is it for a particular job or what is your
16 arrangement?

17 A. No, it's not an hourly rate, and if I were
18 out of town it was a different rate and if it's a
19 deposition, it's one rate. If it's a trial, it's
20 another rate. It started off I think back in 1975
21 at \$100 an hour. Now it's \$200 an hour.

22 Q. Do you bill that directly to Monsanto?

23 A. Yes. Although there may have been a case
24 where I billed it to the plaintiff's attorney but I
25 don't think I ever got paid by them.

1 Q. I would recommend that you send the bill to
2 Monsanto. We'll work it out with them.

3 I assume you're being paid by Monsanto
4 for the time you're devoting to this case?

5 A. I will be, yes, sir.

6 Q. Do they pay you for all the time that you
7 spend preparing for depositions and giving
8 depositions?

9 A. Will you repeat that, please?

10 Q. Yes, does Monsanto pay you for all the time
11 that you spend preparing for depositions and giving
12 depositions?

13 A. Well, "preparing" might be reading over
14 some of the records and consultation with the
15 defense counsel the day before the deposition. Yes,
16 they do that.

17 Q. Do you maintain in your possession or
18 control any records, copies of records or copies of
19 correspondence pertaining to PCB's produced by
20 Monsanto?

21 A. If there were exhibits at the time of a
22 deposition, I would. I have none other than that.

23 Q. When did you first become familiar with
24 PCB's?

25 A. 1936, end of '36 or the first part of '37.

1 Q. And what caused you to become familiar with
2 PCB's at that time?

3 A. We had a customer called the Bakelite
4 Corporation, which is a subsidiary of Union Carbon &
5 Carbide, C & C they called themselves at that time.
6 They are the ones that had it mixed in half a dozen
7 Bakelite formulations mostly, various blends of
8 chlorinated naphthalenes, and they sold this as a
9 dielectric to cable, to wire cables, electrical
10 insulation, and the workers who applied this
11 insulation were developing chloracne and some were
12 developing liver failures, so they were going to
13 have the whole deal investigated by people at
14 Harvard University. So they approached us for some
15 money to carry out part of the investigation, even
16 though the relative amount we ever sold them was a
17 small amount, just, the best of my recollection, one
18 product, which was not a PCB but was a chlorinated
19 biphenyl benzene, which was like a PCB but it's got
20 another benzene ring hooked on someplace.

21 Q. Did that ultimately lead to what I might
22 describe as the Drinker study?

23 A. Yes, that was it.

24 Q. We'll get to that in a moment then.

25 Up to this time did you know anything

1 at all about PCB's or chlorinated hydrocarbons?

2 A. Well, there's a big difference.

3 Q. Let me ask you PCB's first.

4 A. Yes. Well, as I said, in the file are the
5 records, old records, of the Swann cases down at
6 Anniston. I seen that because I inherited the
7 files. I had seen that but I don't know what I
8 saw. And then, of course, at medical school we
9 talked about chloracne from chlorinated naphthalene.

10 Q. In medical school was there any talk of
11 PCB's?

12 A. No.

13 Q. Were you, in the 1930's, involved in any
14 way with product testing for Monsanto to determine
15 whether the product was safe or whether it had
16 potential health hazards?

17 A. I might have been -- well, yes, I was
18 involved in two ways. One, when Drinker came out
19 and said our 1260 was toxic according to his
20 testing, I called him and said, "Look, this is
21 pretty strange to us. We don't really believe it.
22 Where did you get the 1260? We've got no record of
23 selling you this." He said, "I got it from Halo Wax
24 and Bakelite."

25 I said, "I'm going to send you some

1 honest to goodness 1260." We didn't have a 1260, we
2 had a 1262 you realize in the '60's '50's, the
3 amount of chlorine hooked on to these benzene rings.

4 Q. Yes, I've been told that by a couple
5 people. Why don't you briefly, so it will be on the
6 record here, tell us what 1260 means and 1254 and
7 1248, et cetera?

8 A. It means that biphenyl benzene is
9 chlorinated for an average of 54 percent chlorine.
10 That doesn't mean that all the isomers in there are
11 54 percent. Some might be 58, some might be 48.
12 And also, conversely, 1260 is chlorinated an average
13 of 60 percent chlorine.

14 Q. And is that by weight?

15 A. Yes.

16 Q. To get the higher numbered Aroclor does
17 that require more and more of the isomers with five,
18 six, seven or even eight chlorines?

19 A. Yes.

20 Q. Just briefly explain for us.

21 A. In other words, you chlorinate it longer.
22 You chlorinate at higher temperatures and you
23 distill off the lower chlorinated ones, so you end
24 up with the higher chlorinated 1262, 1268.

25 Q. What is the highest level of chlorine, by

1 weight, that Monsanto ever produced to your
2 knowledge?

3 A. I know they produced 1268 in commercial
4 amounts. I've seen some literature about a 1260 but
5 I don't recall ever seeing it in bulletins.

6 Q. Would it be a fair statement that -- I know
7 this isn't a direct proportion but may increase more
8 than a direct proportion. Would it be a fair
9 statement that a higher percentage by weight of
10 chlorine would correspond with a lower degree of
11 biodegradability?

12 MR. CHAMBERS: Let me just for the record
13 again just object that I'm not sure that we've
14 established what Dr. Kelly's involvement with
15 biodegradability is. I know we've talked about
16 toxicity aspects, but to the extent he can answer,
17 he can.

18 A. Yes. I believe the lower chlorinated PCB's
19 are more biodegradable than the higher chlorinated
20 ones. The lower rings are more volatile, they are
21 less viscous. The higher ones are resin like a
22 piece of tar. They are much less biodegradable.

23 Q. (By Mr. Baker) Now, before I interrupted
24 you, you were talking about sending some Aroclor to
25 Dr. Drinker.

1 A. Sent some 1262 up to Drinker and he
2 duplicated a test on what he ran on what he had
3 called chlorinated, that he called PCB's, that he
4 received from Bakelite and he was surprised that
5 there was a magnitude difference, I mean a tenth of
6 the toxicity of material we sent up there, so he
7 corrected his statement about the toxicity in a
8 subsequently article published in either 1937 or
9 1939.

10 Q. Do you know where that article was
11 published?

12 A. Journal Of Industrial Hygiene &
13 Toxicology. That's a defunct magazine right now.

14 Q. Do you know where I could go to find a copy
15 of that if I wanted one?

16 A. Yes, sure. Call Tom Bistlline at Monsanto.

17 Q. I expected there would be somebody there
18 who could direct me to one.

19 MR. CHAMBERS: Let's go off the record just
20 a second.

21 (Discussion was had off the record.)

22 THE WITNESS: Drinker had three articles.
23 The first one I think was in 1937. The second was
24 more or less an analytical one that didn't mean too
25 much toxilogical-wise. And the third one was either

1 in '38 or '39, but Bistlline's got them.

2 Q. (By Mr. Baker) Would it have been the
3 third one that you referred to previously?

4 A. Third one, that's correct.

5 Q. I believe my question that led to this
6 discussion of the Drinker articles was similar to
7 this: In your position at Monsanto in the 1930's
8 were you involved in testing of products to
9 determine whether they were safe or whether they
10 caused potential health hazards? And I believe part
11 of your answer to that question was that you
12 provided Drinker with some material after his first
13 article; is that correct so far?

14 A. Yes.

15 Q. Did you have any other involvement in
16 testing of products in the 1930's for Monsanto?

17 A. Yes. I'm not sure it was in the '30's or
18 the first part of the '40's, we tested Pentaclor
19 phenol which was a wood preservative, and we had
20 that tested at our a consulting laboratory in
21 Cincinnati and we probably were doing it in the late
22 '30's. We may have been doing what we called our
23 basic toxicology testing of acute package of
24 testing. That would be testing for acute lethal
25 dose, eye irritation, skin irritation and inhalation

1 of saturated atmosphere if the material could be
2 volatilized without heating.

3 Q. Did you do that testing with PCB's or with
4 just some of these other products?

5 MR. CHAMBERS: Object to the form. For
6 purposes of clarification, you're focusing on the
7 '30's time frame for that type of thing as opposed
8 to some of the later --

9 MR. BAKER: Yes.

10 A. I can't remember.

11 Q. (By Mr. Baker) Do you recall any testing
12 by Monsanto of PCB's for possible health hazards
13 prior to the first Drinker article?

14 A. Quite sure we didn't.

15 Q. Other than sending Dr. Drinker a sample of
16 Aroclor 1262 sometime after his first article, do
17 you recall any testing by Monsanto of PCB's for
18 health or safety hazards during the 1930's?

19 A. I don't recall.

20 Q. So let's start in the 1940's now. Do you
21 recall any testing by Monsanto of PCB's for
22 potential health or safety hazards during the
23 1940's?

24 A. I can't remember whether it was the '40's
25 or the '50's. I'd have to see the reports. I just

1 don't remember. Remember, I was gone for four years
2 so I don't think they did any testing, because I
3 never saw any reports when I came back, so whether
4 from 1946 to 1950 we did any testing, I can't
5 recall.

6 Q. I'm not trying to tie you down to any
7 particular year. I was just using the decades as a
8 frame of reference. So you don't recall any other
9 testing other than sending this material to
10 Dr. Drinker before you went to the Army in '42; is
11 that correct?

12 A. Yes, I believe so, although I said they may
13 very well have done what we called our package
14 testing as one of the local laboratories in
15 St. Louis. There were two laboratories in
16 St. Louis, Younger Laboratory and Scientific
17 Associates, and we did acute testing there of
18 various products, and I do not know if we included
19 PCB's in that, I don't know.

20 Q. But you did some acute testing of several
21 other products during that time?

22 A. That's correct.

23 Q. During that time frame to the best of your
24 recollection who decided, or how was it decided,
25 what products to do acute testing on?

1 A. I did.

2 Q. To the best you can enlighten us, on what
3 did you base your decision of which products to do
4 acute testing on?

5 A. Well, first, let me -- if it's a new
6 product at that time we wanted to know some basic
7 toxicological findings and we called a new product
8 something that we either advertised in a technical
9 bulletin, say we've got this product X, here is the
10 characteristics, can you use it, or we suggested to
11 be used this, this, this, that we wanted
12 toxicological, basic toxicological information on.

13 Old products, we would test it in
14 response to either a customer inquiry or worker
15 inquiry. In other words, a union representative may
16 say, well, this man says he's got a diabetes or
17 something and he's working around product Y. What
18 do you know about it? Well, if we didn't have any
19 toxicological testing on it we would run the basic
20 toxicity on it.

21 Q. Were there products that you did acute
22 testing on before workers or unions or customers
23 complained or raised questions about the safety of
24 the products?

25 A. At what time?

1 Q. Okay, again, we're talking about the period
2 right now up before 1942.

3 A. Yes, I think -- oh, yes, I'm certain. In
4 other words, we were a relatively small company at
5 that time. We had a central research department.
6 They worked right across from the same building as
7 we did and there was quite a good informal rapport
8 between the people at Research and myself, and if
9 they say, We're working on this batch of compounds;
10 what do you know about it? And I said, Well, I
11 don't know. Who else makes it? If they say, Well,
12 DuPont makes it, I would write to DuPont and say,
13 What do you know about this type of compound? Do
14 you have any toxicological data on it? There was a
15 free interchange of toxicological data, and there
16 still is I presume.

17 Then we would look at the literature
18 and see if there was anything in the literature. If
19 there wasn't anything there, we would decide let's
20 find something out about it.

21 Q. If you decided you wanted to find something
22 out about it and in, again, this period 1936 to
23 1942, what would you do?

24 MR. CHAMBERS: Let me object just again to
25 the form. When you say "it," you're just referring

1 in a broad general category to whatever it was that
2 would be tested?

3 MR. BAKER: Right. I was just using his
4 words.

5 MR. CHAMBERS: That's fair enough.

6 A. What was the question again?

7 Q. (By Mr. Baker) In your previous answer you
8 said you might contact DuPont or another
9 manufacturer, might review the literature, and if we
10 couldn't find what we wanted there, might do some
11 testing. And my question is: If you decided to do
12 some testing of a product during this time period
13 1936 to 1942, what kind of a testing of that product
14 would you have done?

15 A. That would be we would test it to see how
16 it would damage the eyes if we dropped it full
17 strength. We would test it on the skin to see if it
18 could be absorbed through the skin to find out the
19 action of the skin. We would test it orally to see
20 -- those days we called it the minimum lethal
21 dose. That was changed to the lethal dose for 50
22 percent of the animals. And then if it were a
23 liquid we would bubble air through it into a cage
24 containing some animals to see what the pulmonary
25 response was.

1 Q. What kind of animals did you use during
2 this period 1936 to 1942?

3 A. Rabbits for skin, rats for oral testing,
4 rabbits for eyes.

5 Q. Do you recall whether Monsanto or any labs
6 under Monsanto's direction did any such testing of
7 PCB's during 1936 to 1942?

8 A. No, I don't recall, but Younger may very
9 well have.

10 Q. How could you or how could we determine
11 whether that was done between 1936 and 1942?

12 A. I guess ask Monsanto if they've got any
13 records of any testing done on it.

14 Q. Do you know of any particular person other
15 than yourself who would be familiar with that?

16 A. Joie Diblock. I mean she's the
17 administrator, paralegal, she's the one that always
18 runs down stuff for me.

19 MR. CHAMBERS: She's the one who works for
20 Mr. Bistlline?

21 A. Yes.

22 Q. (By Mr. Baker) But personally you don't
23 recall having done anything else specifically? You
24 can't specifically state there wasn't any done; is
25 that a fair summary?

1 A. That's correct.

2 Q. Then you were gone to the service between
3 1942 and 1946. From what you saw when you returned
4 can you identify or recall any testing of PCB's by
5 Monsanto or a lab under Monsanto's direction between
6 1942 and 1946?

7 A. I can't recall of any.

8 Q. Then you returned to Monsanto full time
9 after you were discharged from the service in 1946.
10 Why don't we take from 1946 up through the end of
11 the 1950's, and if you can't recall specific dates
12 or years, I'm not trying to hold you to that, but
13 tell us in general what you recall about testing by
14 Monsanto or laboratories or others under Monsanto's
15 direction between 1946 and 1960 as it relates to
16 PCB's.

17 A. Well, I know that we did what would be
18 called subacute inhalation testing of PCB's at the
19 Kettering, K-E-T-T-E-R-I-N-G, Laboratory, University
20 of Cincinnati, that was published by a Dr. Treon,
21 T-R-E-O-N, and I believe the American Industrial
22 Hygiene Association Journal which he tested I think
23 in '48 and '54.

24 I know we did basic toxicity testing
25 at various times on various Aroclors or PCB's during

1 that time.

2 Q. To your knowledge, between 1946 and 1960
3 was there any chronic testing of PCB's?

4 A. No, sir. Remember, in those days there
5 were no illnesses reported here. We were making
6 this in hundreds of millions of pounds and we had no
7 complaints from any of our customers. None, zero.

8 There were two acute episodes sometime
9 in the '50's or '60's where two times a jury-rigged
10 heat transfer unit leaked and two workers got a
11 chemical hepatitis with jaundice. And then there
12 was another time where people also -- the heat
13 transfer unit where there was some leaking,
14 developed a very mild chloracne. Those were the
15 only cases reported during that decade. There were
16 no other illnesses reported on any workers.

17 Q. We're talking the decade of the '50's?

18 A. And up to '60, yes. I could go farther and
19 say up to the '80's there haven't been, but --

20 Q. You will get a good opportunity to do that
21 before the day is over I'm sure.

22 A. Okay.

23 Q. But let's concentrate for the moment from
24 1946 to 1960 because I'm trying to separate this
25 into time frames to help your recollection.

1 You referred to two reports of workers
2 having some kind of illness or injury. Tell me what
3 you can recall specifically about those.

4 A. There were two cases -- one case in Brazil,
5 Indiana, man by the name of Spolyar, S-P-O-L-Y-A-R,
6 wrote -- he said they had this makeshift heat
7 transfer unit that leaked and the stuff dropped
8 out. I don't know which Pydraul they were using. I
9 don't know if they were using Pydraul or what they
10 were using, but they were using PCB's as a heat
11 transfer unit and they developed jaundice. And he
12 called me about it and I said, "Well, I wouldn't be
13 surprised, because from the action from our work in
14 1954 we did target the liver." So I said, "Keep me
15 posted."

16 And he did. He said the bottom line
17 was they recovered. He did write an article but
18 I've never been able to find it. You see it once
19 and it's gone, and I don't know how he described
20 it. It was a relative obscure journal like the
21 Journal Of The Indiana Medical Society or something
22 like that and I never did get one.

23 The other was a case -- I think it was
24 Crown Chemical Company in New York. I don't know
25 exactly the name of it. The man said, "We've had a

1 couple of people exposed to leaks from a heat
2 transfer unit and they're nauseated." He said,
3 "What about it?" And I said, "Well, obviously,
4 correct the leaks, take them away from there and
5 watch out for the development of jaundice."

6 And he called me a couple of weeks
7 later and said, "Well, they did get jaundice and we
8 are following up and they are doing all right." And
9 I called him a month later and he said they were
10 recovered. That was never written up by anybody.

11 The other was a case by a Dr. Meigs,
12 M-E-I-G-S, who also had a heat transfer unit that
13 was outside and it got -- he was an occupational
14 physician for one of the smaller New England
15 states. I don't know whether it was Connecticut or
16 one of those, and one of the physicians reported a
17 case of chloracne, so they were very happy to go
18 down and look it over and they looked over everybody
19 and they found about five cases of chloracne, which
20 three of the people didn't know they had it. Said,
21 "You got chloracne." He said, "What's that?" They
22 said, "Yeah, I had stuff like that all my life."

23 So, anyway, that was a case of another
24 leaking. Those are were the only cases that ever
25 occurred.

1 Q. The first one you referred to you said was
2 in Indiana. To the best you can recall -- if you
3 can't, just tell me you can't. But to the best you
4 can recall, when did that occur?

5 A. I think in the '50's.

6 Q. You know whether it was early '50's, late
7 '50's?

8 A. No.

9 Q. And that was the one that did lead to some
10 article being written but you don't know where that
11 article was and you don't have a copy of it?

12 A. I don't have a copy. I think I had it at
13 one time but I've never seen it, but looked at all
14 these cases. It always comes up at these
15 depositions and I've looked but I don't have it.

16 Q. How did you first find out about this
17 case? Did the person there call you?

18 A. Spolyar called me.

19 Q. Was he a physician?

20 A. He was a physician and he was head of the
21 Department of Occupational Health or something like
22 that in Indiana.

23 Q. So he was some state official in Indiana?

24 A. That's correct.

25 Q. Was there any correspondence to you, from

1 you or to anyone else at Monsanto concerning that
2 situation?

3 A. I don't recall -- I don't recall if there
4 was. I mean, I know there was at least one and
5 probably two telephone conversations but I don't
6 recall whether we wrote about it or not. I don't
7 know.

8 Q. Did you go to Indiana to do any
9 investigation?

10 A. No.

11 Q. Did you see any of the workers or patients
12 who developed jaundice?

13 A. No.

14 Q. To your recollection did you see any
15 medical reports concerning these patients?

16 A. No, sir, all I had was Spolyar. The last
17 conversation I had with him he said they had
18 recovered, were back at work.

19 MR. BAKER: I will ask that counsel for
20 Monsanto produce any correspondence, memoranda or
21 other records in Monsanto's possession or control
22 concerning this incident in the 1950's in Indiana.

23 MR. CHAMBERS: Okay, that would be
24 correspondence with this Dr. Spolyar, other Indiana
25 authorities relating to this I assume.

1 MR. BAKER: Indiana authorities or any
2 persons employed by or assisting the facility there
3 that had the workers, or if there were anything
4 directed to the workers, I couldn't expect that, but
5 any correspondence to or from Monsanto concerning
6 this incident in Indiana.

7 (Discussion was had off the record.)

8 Q. (By Mr. Baker) It's your testimony that
9 when this doctor employed by the State of Indiana
10 called you and said -- and if I misstate what you
11 said, stop me, I'm trying to paraphrase this from my
12 recollection; said, "We've had a leak and this stuff
13 has come out and some workers had developed
14 jaundice," your reaction to that was you weren't
15 surprised that they got jaundice from contact with
16 this stuff; is that a fair statement?

17 A. Yes, if they had as much exposure as they
18 said they had.

19 Q. How much exposure did he say they had?

20 A. Well, it leaked for three days and you
21 could smell the stuff fairly strong. He didn't
22 measure it.

23 Q. Was it exposure received through the air or
24 did the liquid pour out on to their clothes, or to
25 the best you can recall what kind of exposure did

1 they have?

2 A. It was through the air. In other words,
3 they had these pipes running in this relative small
4 room and it leaked and dripped on the floor. They
5 didn't pay too much attention to it.

6 Q. So you know of no evidence that their
7 clothes were saturated or that they had skin contact
8 with the liquid?

9 A. No, sir.

10 Q. Okay. You said that in part of your
11 response to this line of questions you said that
12 work that you had done in 1954 had targeted the
13 liver. Tell us about that work and what caused it
14 and what you did in 1954.

15 A. Well, in 1954 we were using this material
16 as a diecasting hydraulic fluid and, of course,
17 that's at elevated temperatures. These dies are
18 hot, or the metal is hot, and when you get contact
19 with this at elevated temperatures you get
20 volatilization. So we wanted to know what happens
21 if there was a big leak and a lot of this came down
22 and hit hot metal. Could the man have time to turn
23 off the machine to close the valves and get out?

24 So we ran this, what was fairly
25 extensive repeated inhalation test on a variety of

1 animals; dogs, cats, guinea pigs, rabbits at the
2 University of Cincinnati and came out with a couple
3 of safe levels that workers could be exposed to, and
4 these levels were eventually chosen or selected by
5 the American Conference Of Governmental Industrial
6 Hygiene as safe levels for using 1254 and 1248.

7 Q. When were these levels selected by that
8 organization?

9 A. I guess it would be the late '50's.

10 Q. Now, you began this work in 1954. Was that
11 before Pydraul was marketed?

12 A. Well, it may have been marketed for other
13 purposes. I don't know if this was done
14 simultaneously, whether it was used or not, I don't
15 know.

16 Q. Was it the marketing of Pydraul as a
17 fire-resistant lubricant that led you to conduct
18 this study?

19 A. Sorry, what did you say?

20 Q. Was it the plan to market Pydraul as a
21 fire-resistant lubricant that caused you to do this
22 study?

23 A. No, not a fire-resistant lubricant. That
24 was a hydraulic fluid that was pushing the dies back
25 and forth. I don't know if the lubricants are --

1 it's my impression it's not subjected to that type
2 of heat.

3 Q. Was the product that was being used, is it
4 a hydraulic die, a hydraulic fluid to push the dies?

5 A. That's correct.

6 Q. Was that product Pydraul?

7 A. Well, I don't know what they used at that
8 time. I think so.

9 Q. Before we go on, was there anything
10 published from your work in 1954 concerning PCB's
11 and the liver?

12 A. What, the --

13 Q. The liver.

14 A. Well, in the paper by Treon he talked about
15 what the effects of the animals were. He talked
16 about the liver.

17 Q. You referred to a second incident at the
18 Crown Chemical Company in New York. In a little
19 more detail, tell us what you can recall about that
20 incident.

21 A. Well, this started as a letter I believe.
22 This man wrote a letter and said, "I've got some
23 people, couple of workers, who were exposed to leaks
24 at a heat transfer agent apparatus and they've been
25 nauseated and they aren't feeling so well. What can

1 you tell me about them?" I said, "Well, first of
2 all, stop the exposure. If they got leaks, get them
3 out of there and fix the leaks. Secondly, be sure
4 and check the liver and be sure that -- they may
5 very well have developed a chemical hepatitis which
6 shows up with jaundice."

7 So he either called me back or wrote
8 me back, said, "You were right. These people did
9 show up with jaundice. We hospitalized them for
10 four or five days and now they are back to work and
11 they are doing fine."

12 That's about all there was. I think
13 there was correspondence on them but I don't -- I
14 don't know where it is. I don't have it.

15 Q. Did you ever see any of these patients?

16 A. No.

17 Q. Did you ever visit the Crown Chemical
18 Company in New York concerning this incident?

19 A. No, not I. Whether or not a salesman or
20 marketing representative went over there or not, I
21 don't know.

22 Q. Was this similar to the situation in
23 Indiana where they were exposed to PCB's through the
24 air?

25 A. Yes, there was no mention made of dermal

1 contact.

2 Q. And then you referred to a third incident.
3 First, the one in New York, do you have any idea
4 what part of the 1950's that would have occurred in?

5 A. No, but there is a letter someplace.

6 MR. BAKER: We will ask counsel for
7 Monsanto to produce all copies of correspondence,
8 memoranda or other documentation to or from Monsanto
9 concerning the Crown Chemical incident described by
10 the witness, and we will put that in written form
11 also.

12 MR. CHAMBERS: Appreciate that.

13 Q. (By Mr. Baker) You referred to a third
14 incident with Dr. Meigs I believe somewhere in New
15 England?

16 A. I think it's Connecticut. Joseph Meigs,
17 M-E-I-G-S.

18 Q. Did Dr. Meigs contact you personally?

19 A. Yes.

20 Q. To the best of your recollection what did
21 he tell you?

22 A. He said, "We've got this heat transfer
23 thing up here, it's outside, and we've got some
24 people that have got chloracne. It's a very mild
25 type of chloracne, and what do you know about it?"

1 I said, "Well, that's the first chloracne I've heard
2 for a long time that occurred." And that's about
3 it.

4 They tightened up the leaks or
5 whatever it was, it was a temporary deal, but he
6 wrote it up anyway, so it's in the literature
7 someplace.

8 Q. I think I know the answer to this, but did
9 you see any of these persons?

10 A. No, I did not.

11 Q. Did you visit the facility to inspect?

12 A. No, I did not.

13 Q. Was there any mention from Dr. Meigs about
14 the possibility of jaundice or liver damage in this
15 instance?

16 A. Well, I'm sure I asked them if they have
17 anything else, and by this time, I thought this was
18 probably the late '50's, he was also associated with
19 Yale or something. He was an academic man as well
20 as -- I'm sure he ran everything on it because he
21 couldn't find anything except this chloracne.

22 As I said, some of the people, the
23 chloracne was not recognized either by the plant
24 doctor or by themselves until a dermatologist came
25 over and found these few pimples and said, "Well,

1 that's chloracne." It wasn't a very big deal,
2 frankly.

3 Q. Were these persons exposed via the air or
4 did they have any skin contact?

5 A. The air, presumably. I can't answer that
6 but I don't recall them saying anything about skin
7 contact.

8 Q. Do you recall any correspondence to or from
9 this doctor?

10 A. I think there was a letter there someplace.

11 MR. BAKER: I will again ask for the same
12 documents concerning this instance from counsel for
13 Monsanto.

14 MR. CHAMBERS: No problem.

15 Q. (By Mr. Baker) Doctor, we've been going a
16 little bit over an hour. Would you like to take a
17 short break or you want to keep on going?

18 A. I'm all right.

19 Q. I don't know if I can hold out as long as
20 you can but I'll keep trudging through for a while.

21 A. Just ask me.

22 Q. Was there any other testing? You mentioned
23 the testing in 1954. Was there any other testing of
24 PCB products by Monsanto before 1960?

25 A. With the exception of I did say that we

1 might very well, and probably did, run our basic
2 toxicological package on several of the PCB's. I'm
3 sure we did that.

4 Q. Do you have any specific recollection of
5 doing that on any particular one?

6 A. No, sir, I don't.

7 Q. So you don't recall doing it but you
8 believe you would have done it on some of them?

9 A. Yes, I feel quite sure we did.

10 Q. From the period 1960 through 1966 do you
11 recall doing any PCB testing at Monsanto?

12 A. No, sir, not specifically. We may, but I
13 don't recall any.

14 Q. Do you recall any customer complaints or
15 customer inquiries concerning the safety of PCB
16 products during that period?

17 A. Through '66?

18 Q. Through '66.

19 A. No, none.

20 MR. CHAMBERS: Let me ask this for purposes
21 of clarification: Your last question was about
22 customer complaints or inquiries?

23 MR. BAKER: Yes.

24 MR. CHAMBERS: And by "inquiries" were you
25 asking about just a letter where somebody wrote and

1 said we're buying this product, what can you tell us
2 about it? Or were you thinking of inquiries in the
3 sense of more specific situations like Dr. Kelly
4 told us about?

5 Q. (By Mr. Baker) Just so we're clear on that
6 then, during the period 1960 to 1966 do you recall
7 any inquiries from customers asking you about the
8 safety of any of the PCB products?

9 A. Well, I don't recall any, but we might very
10 well have gotten them. We got 20 letters a week on
11 various products, you know. A new customer would
12 buy any one of our products and they have the
13 bulletins and all that, but somebody down the line
14 would write in and say, Can you tell me something
15 about this product? And we answer it. So that
16 might very well have occurred but that doesn't stand
17 out in my mind because we did not have very many
18 inquiries at all. I mean it was pretty low on the
19 amount of inquiries we got from customers on PCB's
20 up until '66.

21 Q. There was a reason I used '66 and we'll get
22 to that in a moment, but during the period before
23 1966 -- I hate to keep using these different time
24 periods for you but there's a reason for this.
25 Let's say for the ten years prior to 1966 -- and our

1 company began purchasing Pydraul about 10 years
2 before that. '56 or '57 was the first purchase.
3 But, say, in that decade from 1956 to 1966, if
4 someone wrote to Monsanto and said, We're
5 considering using Pydraul AC. What can you tell me
6 about the safety health aspects of that? What would
7 your response have been?

8 MR. CHAMBERS: Just object to the form to
9 the extent it calls for speculation the way it's
10 framed.

11 A. I'd write them back and say what we knew
12 about Pydrauls. We would write them back, we would
13 send them our bulletins on it where there was safe
14 handling data in it and we would tell them how to
15 protect the worker from any chemical hazard by
16 avoiding -- two obvious methods were to avoid
17 repeated or prolonged skin contact and do not inhale
18 the material in confined spaces or at elevated
19 temperatures. Sure, we would tell them whatever we
20 knew about it.

21 Q. (By Mr. Baker) Why don't you tell us right
22 now to the best of your recollection what you knew
23 about the health and safety aspects of Pydraul AC
24 between 1956 and 1966?

25 A. Well, we knew that it was a compound, an

1 industrial chemical, which, obviously, it's not
2 supposed to be taken internally. We knew that there
3 was a relatively low order of toxicity for a
4 compound, an industrial chemical. It was something
5 like 2 1/2 to 4 grams per kilogram for an LD₅₀ for
6 animals, and that's relatively low for an industrial
7 compound. It's much lower than, say, ethylene
8 glycol that you use for radiator fluid. It's really
9 comparable from an acute point of view to salt I
10 must say, but you can take 4 grams per kilograms of
11 salt and you've got troubles.

12 So we knew that if you volatilize the
13 stuff and breathe it in a confined space, we knew
14 that you could have a level of half a milligram per
15 cubic meter of the air and breathe that for eight
16 hours a day without trouble, but if you got up to 3
17 or 4 milligrams per day, you would probably have to
18 watch it.

19 Now, we also did say we had no reports
20 of any ill effects in our workers manufacturing
21 material in our customers we have been selling to
22 for whatever years we might have been selling it,
23 and that's about what I told them.

24 Q. So between 1956 and 1966 -- I'll try to
25 summarize this, but correct me if I'm wrong -- you

1 would have essentially told them not to have
2 prolonged skin contact?

3 A. Or repeated.

4 Q. Prolonged or repeated skin contact and not
5 to breathe it in large quantities in small spaces?

6 A. At elevated temperatures.

7 Q. And elevated temperatures.

8 A. Yes, the material does not volatilize at
9 room temperature.

10 Q. And other than that, you wouldn't have told
11 them of any potential health hazards during that
12 period 1956 to 1966?

13 A. Well, if they did that, there were no
14 health hazards.

15 Q. Let's move on then from 1966 through 1970.
16 What did you learn in 1966 or 1967 that might have
17 changed your thinking concerning PCB's?

18 MR. CHAMBERS: Object to the form to the
19 extent it refers to a change in thinking. I guess
20 you're just working to lay that foundation, but go
21 ahead and answer, if you can.

22 MR. BAKER: Let me rephrase the question
23 then.

24 Q. (By Mr. Baker) Did you learn anything in
25 1966 or 1967 that did change your thinking

1 concerning PCB's?

2 A. Yes.

3 Q. Okay, what was that?

4 A. We learned that the material was -- I want
5 to phrase this correctly. Previous to that time we
6 believed that here is a material that is not really
7 active, it is insoluble in water, it resists
8 alkalies. We thought when it was discarded in a
9 landfill or in some cases in a body of water it
10 would lie there like a lump of coal or piece of
11 gravel for then on.

12 In 1966 or '7 Swedish work occurred
13 that showed the material was showing up in the
14 aquatic environment around the waters of Sweden.
15 Later on it was showed that it was picked up by --
16 they found it in birds and they traced it back to
17 the rivers and they found out that the material was
18 metabolized by the minute marine organisms like
19 plankton and algae, and then the shrimp would eat
20 the algae and the fish would eat the shrimp and the
21 birds would eat the fish and it would end up in the
22 bald eagle or the peregrine falcon that caused
23 metabolic problems with their egg laying.

24 So we found that out, it was -- I say
25 we found it out, it was found out by workers of

1 Sweden that were working with DDT, and DDT and PCB
2 have a very close analytical spectrum. I'm not an
3 analytical chemist so I won't go into that, but for
4 a while there was quite a -- not a hassle but quite
5 a discussion of what are we finding here? Is it DDT
6 or PCB's? Well, eventually it was shown it was both
7 and it was PCB's, and that PCB's could be
8 responsible for the disturbance in calcium
9 metabolism in birds. So we recognized it as an
10 environmental pollution agent.

11 We also found out it was present in
12 the food chain, that it was present in game fish and
13 it was present in milk, because I don't know whether
14 this came because of contact with the forage that
15 the cows ate or how they got it, but we then -- what
16 was the question now?

17 Q. The question was --

18 A. Have I answered it yet? What did we do or
19 what did you ask me?

20 Q. We haven't gotten that far yet. Question
21 was, first: Did something happen in 1967 and 1960?
22 Did you do something in relation to PCB's? The
23 answer was yes. And the question was: What was
24 that? That's how we got on this subject. Let me
25 ask you a few questions about it.

1 Had you suspected before 1966 that
2 PCB's might be similar to DDT, that they might show
3 up in some of these birds or fish?

4 MR. CHAMBERS: Object to the form of the
5 question, but go ahead and answer, if you can.

6 A. No, I didn't suggest it. I mean I didn't
7 suspect it. No government body suspected it.
8 Nobody at Monsanto suspected it.

9 Q. (By Mr. Baker) I'm just asking you about
10 you personally.

11 A. Me personally, no, I didn't.

12 Q. You didn't suspect that. Were you familiar
13 prior to 1966 of evidence of a DDT problem in birds?

14 A. Just what I read in the newspapers. I mean
15 here we have DDT that's really broadcast widely I
16 mean and there was all the opportunity in the world
17 for it to be spread into the environment as PCB's we
18 thought were -- well, DDT was a very active
19 compound, it is an insecticide, so that means it's
20 not like a lump of coal. We thought PCB's were a
21 nonreactive compound and, as I said, it would lie in
22 a landfill or bottom of a river like a piece of
23 gravel. So, no, I didn't suspect it.

24 Q. When did you first learn that PCB's were
25 being found in these DDT studies?

1 A. Well, there you really have to
2 differentiate when I first heard it, which might be
3 in the newspapers, which is not a very scientific --
4 Q. Well, the first time you had any indication
5 that this might be, when was that?
6 A. Might be?
7 Q. Yes.
8 A. I think it was at the end of '66 or the
9 first part of '67.
10 Q. Was that in a newspaper story?
11 A. Yes, in Sweden.
12 Q. A Swedish newspaper or --
13 A. That's correct.
14 Q. Where were you when you saw this?
15 A. I didn't see it. I mean I was in
16 St. Louis, but I mean we had a plant office at
17 Brussels and somehow they got word of it. I don't
18 know whether it was picked up by any other
19 newspapers that were published in English but, in
20 fact, we didn't really know whose PCB's are we
21 talking about because we were a relatively minor
22 force in PCB's in Europe. We were the only PCB
23 manufacturer in the United States but I don't think
24 we had 10 percent of the market in Europe. There
25 was Prodelac, P-R-O-D-E-L-A-C, in France. There was

1 Bayer, B-A-Y-E-R, in Germany. These were the big
2 hitters, big manufacturers. There was one in Italy
3 and we had a plant in the United Kingdom, so we
4 weren't big in it over in Europe compared to the
5 French and the Germans were the big ones.

6 So at first they said, Well, okay, we
7 find it in the environment. Where do you find it in
8 the environment? Well, we found it in the waters
9 around the bays, the estuaries, around Sweden. Then
10 later on they found it in some feathers of birds in
11 a museum in Switzerland. I don't know why, but
12 these people kept stuffed birds for the past hundred
13 years and they pulled out some of the feathers going
14 back to -- I don't know how far back they went and
15 found it there. Well, at first we had to -- as I
16 had said, there was considerable discussion, are
17 they finding it or not? Eventually we came to the
18 conclusion, yes, they've got some equipment we don't
19 have that they go down to trace levels that we
20 didn't have the capability of doing, and, yes, they
21 are right.

22 Q. The first you heard of this Swedish study
23 was someone from your Brussels plant had seen it in
24 a Swedish newspaper and called you; is that the way
25 you first heard about it?

1 A. No, I don't think he saw it in a Swedish
2 newspaper. Whether it was picked up by other
3 newspapers on the continent or not, I don't know how
4 he got it.

5 Q. Did he call you personally?

6 A. No, I think he wrote, "What do you know
7 about this?" And I wrote back and said, "I don't
8 know anything. So why don't you go over and talk to
9 the fella and find out, or talk to the other
10 manufacturers and see what they know about it."
11 I mean that's about -- I'm a little hazy about what
12 happened about 1967, first part of 1967.

13 Q. Okay. So you got -- I believe you got a
14 letter from someone from your Brussels facility and
15 you wrote back to him?

16 A. That's correct.

17 Q. Did you call your superiors here and say,
18 We have a terrible problem, we need to deal with
19 this, or did you inform them of it or what was your
20 reaction?

21 A. First of all, we didn't think it was a
22 terrible problem. So we found some in the
23 environment, but no problem existed at that time.
24 So I mean, so it's out there and we still think it's
25 not water soluble. We didn't believe it was going

1 to be picked up or cause any harm to any of the fish
2 or anything else. We didn't know, so no, I didn't
3 call the superiors. It didn't sound like a crisis.
4 I talked to the research people in the department
5 that manufactured the material and said let's keep
6 following this and see what's happening. We didn't
7 ignore it but we didn't think it was a three-alarm
8 fire.

9 Q. I couldn't understand you. Did you say you
10 did report that to your superior or you did not?

11 A. Did not.

12 Q. You did not, okay, but you talked to some
13 subordinates or co-workers?

14 A. Well, co-workers that were -- I talked to
15 the manufacturing people, I talked with the
16 marketing people, I talked with the research people
17 of the division of which PCB's were manufactured and
18 I said, "What do we know about it?" And they said,
19 "That's news to us too. We'll keep after it and
20 see what happens, see what we can find out."

21 Q. So you wrote back to this person from
22 Brussels, and what did you ask him or tell him to
23 do?

24 A. "Go over and talk to this Swede, go over
25 and talk to Dr. Jensen and see what the score is."

1 Q. Do you know whether that happened?

2 A. Oh, yes, he went over there several times.

3 Q. Did he write back to you or did he call you
4 or what do you recall happened next?

5 A. I don't know if -- I had one telephone call
6 with him but I don't know when it was. But whether
7 he wrote to the research people over here -- I think
8 he was sort of a research man over there, handled it
9 like a research man.

10 The big discussion at that time was:
11 Is this PCB or isn't it? So I think his feelings
12 were pretty much with the research people during
13 those early months of '67. I'm not that sure of the
14 exact time frame of it.

15 Q. At some point did you decide that there was
16 a problem that had to be dealt with?

17 A. Yes.

18 Q. Do you recall at what point you decided
19 that?

20 A. Well, I can't tell you the frame, the time
21 frame, but once we found it was getting in the food
22 chain, that was a problem. That occurred later than
23 evidence showed up that it was found in fish and the
24 other. I decided it's a problem when it was
25 definitely established that it was PCB out there

1 with a possibility of hurting the birds.

2 I mean we have two problems. One, if
3 the material is causing damage to wildlife and in
4 such a manner that you could wipe out a species; in
5 other words, if enough of these eagles got enough
6 PCB in them so when the females would lay eggs they
7 wouldn't have any calcium coating on it, you could
8 wipe out the whole species, and we certainly didn't
9 want to have any part of doing that. So that was
10 one, the damage to wildlife, which eventually was
11 the reason we got out of the business.

12 The other one was: Does the material
13 get into the food chain so it's liable to be
14 ingested in small amounts by people over a prolonged
15 period of time? And that was a problem because we
16 did not know the chronic toxicity of the material
17 over a lifetime.

18 Q. To the best you can recall, when did you
19 determine that this was PCB in the eagles and in the
20 other birds?

21 A. Be the late '60's. I don't think you I
22 could put a year or date on it. It was probably
23 '69, end of '69. I'm not sure because there's a
24 man in California, that Riseborough, that was the
25 one that found it in some of the aquatic animals and

1 avians, the birds, and we had our people talk to him
2 and we were pretty convinced I believe that he had
3 the right information.

4 Q. To the best you can recall, when did you
5 determine that PCB was in the food chain?

6 A. I guess either '68 or '69. I can't be sure
7 of the date.

8 Q. Do you know what circumstances caused you
9 to determine that?

10 A. When our analytical people told me that in
11 their opinion Riseborough was correct.

12 Q. Who were the analytical people from
13 Monsanto who were involved in that?

14 A. A Dr. Robert Keller, K-E-L-L-E-R, a
15 Dr. Scott Tucker, T-U-C-K-E-R, and there was --
16 William Richards of the analytical people, who was a
17 research chemist that was involved too in it.

18 Q. Which of those persons are still alive to
19 your knowledge?

20 A. They are all younger than me, they ought to
21 all be alive.

22 Q. Some of us may not have your longevity
23 though. To your knowledge you think all those are
24 still alive?

25 A. Yes, I think so.

1 Q. Do you know if they are still working for
2 Monsanto?

3 A. Gosh, I don't know. I've been gone from
4 Monsanto for 18 years so I don't think Bob Keller
5 is. But Scott Tucker may, but I don't know.

6 Q. That's fine. What other contact did you or
7 people at your direction have with the Swede?

8 A. Well --

9 MR. CHAMBERS: Just for purposes of
10 clarification, is this still looking at that '66-'67
11 time frame?

12 MR. BAKER: Between '66 and 1970.

13 A. Well, we sent a task force over -- when I
14 say "we," that was someone with the Organic Division
15 under which whose responsibility the manufacturing
16 and marketing of PCB was. They sent over this
17 Dr. Richards and Dr. Keller and Elmer Wheeler, who
18 was our industrial hygienist, who was sort of our
19 environmentalist at that particular time.

20 Q. You know approximately when they went over?

21 A. My guess is early '70 I believe. I
22 believe, I'm not sure.

23 Q. So other than your worker in Brussels you
24 don't know of any contact between '67 and '70?

25 A. Oh, no. You mean personal contact? No,

1 I'm sure they had people that talked to Jensen or
2 Widmark. I know that research people in England
3 talked to them and I do not know if anybody besides
4 this man in Brussels went, I don't know that.

5 Q. Who were the research people in England who
6 would have been involved in it?

7 A. I don't remember their names.

8 Q. Did you know a Dr. Garrett?

9 A. Who?

10 Q. Dr. Garrett?

11 A. Would you spell that?

12 Q. Dr. Barrett?

13 A. Yes, J. W. Barrett I think. He was a
14 research man. He may have been very well one of
15 them.

16 Q. He was a research man in England?

17 A. Well, he was research and development, and
18 I don't know his exact position but I think he was
19 higher in the chain of command than Wood was.

20 Q. Do you know if he is still alive or still
21 works for Monsanto?

22 A. I don't know anything about him.

23 Q. Who was the person in Brussels who first
24 contacted you about this?

25 A. David Wood.

1 Q. Do you know if he is still alive?

2 A. I don't know.

3 Q. Do you know if he still works for Monsanto?

4 A. I don't know anything about him.

5 Q. Tell us what Monsanto did in response to
6 the news that Jensen had found PCB's. You said you
7 thought you heard about this in '66 or early '67 and
8 that you became convinced in -- you believe it was
9 the late '60's that it really was PCB's.

10 Between the time that you first heard
11 about it and the time that you became convinced that
12 it was true in that two to three-year period there,
13 what --

14 A. First of all, it wasn't two or three
15 years. Let's get the dates --

16 Q. Okay.

17 A. We first heard about it -- Jensen did his
18 work in '66, late '66. I don't know what month. I
19 think the first time Wood had information or the
20 first time we got information was sometime in 1967.
21 I'm not sure of these dates, remember.

22 Q. I understand.

23 A. So between that time and 1960 --

24 Q. I don't want to trick you on the dates. I
25 believe you said you couldn't remember exactly when

1 you became convinced that this was PCB found in
2 birds and the eagles but you thought it was sometime
3 in '69?

4 A. I said either late '68 or early '69.

5 Q. So between the time in 1966 or '67 when you
6 first heard about the Jensen reports and in '68 or
7 '69 when you became convinced they were true, what
8 all did Monsanto do to investigate?

9 A. Well, I'm not sure I could say everything
10 they did because all of it didn't emanate from the
11 Medical Department.

12 Q. Everything that you were involved in or are
13 familiar with.

14 A. They did several things. One, they had a
15 lot of discussions with the European manufacturers
16 of PCB's to find out what their action was or what
17 they knew about it or what they were doing. That
18 did not have anything to do with the Medical
19 Department. Most of the work was analytical, trying
20 to find out, are we correct in stating that this is
21 really PCB, and I think I told you that along about
22 sometime in '68 we became pretty convinced that it
23 was. We collaborated with Riseborough in the United
24 States, in California, who was the one that found it
25 resident in fish. We sent him samples for his

1 analytical work. In fact, I think a member of our
2 department went out on Christmas Eve in '68 and met
3 him at the airport with the samples. We cooperated
4 with the government, who at that time was beginning
5 to get interested in it from the analytical point of
6 view.

7 I don't know when we decided to start
8 our two-year toxicity. I think we started it around
9 -- we started discussing it sometime around in
10 1968. We wanted to do prolonged toxicity and you
11 have to first get some -- you got to decide on what
12 you're going to do, so we did that by going up to
13 Chicago and talking to our consulting laboratory,
14 Industrial Biotest, which did the work for us. We
15 talked to the government about it and the Food &
16 Drug Administration and said, "Here's what we're
17 doing. Do you have any suggestions? This is what
18 we're going to do." And they said, "No, that looks
19 fine to us."

20 Then to run a two-year test takes
21 three years I mean, because first you got to run
22 range findings. In other words, in a two-year test
23 you give a dose that you know will be positive and
24 you want to give a dose that you hope will be
25 negative and you want to get one in between and you

1 hope that will be negative too. But to find out
2 what an animal can take over two years you have to
3 do this in dogs and rats, you give them various
4 amounts over a three-month period to see if they can
5 handle it. If they can handle it pretty well for
6 three months without any problem, you think, well,
7 this is a safe level and won't kill them. There's
8 no sense in having a two-year test if you're going
9 to kill the animals in 18 months by too big a dose,
10 so that shoots six months right off the bat. Then
11 you're set with a dose, you give it for two years,
12 then you sacrifice the animals at the end of two
13 years.

14 Then you've got to run the pathology
15 reports on it, and that's another -- pathologist are
16 relatively rare specialists and they are relatively
17 slow. That's another three to six months, or three
18 months at least. Then you write the report. So
19 it's three years are shot. So I think if you look
20 at when we get the final -- we get quarterly reports
21 in between, but I think if we -- I don't know when
22 our reports are dated. If they are dated 1971, that
23 means we really started in '68, so we started
24 sometime around that.

25 Then I do not know what the marketing

1 people did about the customers at this time. I know
2 what they did in the '70's, in the early '70's, but
3 I don't know what they did in '68.

4 Q. Why don't you tell us what you understand
5 or believe they did in the early '70's?

6 A. Well, that was -- yes, I know about that
7 pretty well because Elmer Wheeler was spending
8 almost all his time on this conference with
9 government people, conference with Riseborough. He
10 went over to Sweden once, he went to The Hague once
11 about something, and we also brought it up to the
12 Corporate Development Committee of the Corporate
13 Executive Committee, which is the same thing. These
14 are the brass that runs the company.

15 We had five different meetings with
16 them from -- I think it was four or five from 1970
17 to '71. I'm not exactly sure when the first one
18 was. I thought it was early '70. And the upshot
19 was that we had to set up a program and they would
20 put a man in charge of the whole affair and it ended
21 up with Bill Papageorge.

22 We would write a letter to our
23 customers telling them about how to avoid
24 discharging the material to the atmosphere, I mean
25 to the environment. We would look at our own plants

1 where they were using it. To be sure, we would cut
2 down outflow from our plants. Then they would
3 decide whether or not some materials could not be
4 controlled, if there were open uses such as carbon
5 paper, which was just discarded and then thrown out,
6 and that had PCB's in it and went into a landfill or
7 was burned or something like that.

8 We had plasticizers, which there is no
9 way to control it. We would start eventually
10 stopping selling that for those uses. All the way
11 up until 1975 or -- I think it was '75 when we
12 decided we were not going to manufacture it for any
13 uses at all except -- well, it was about '73 I think
14 we said we were not going to use it for anything
15 except closed systems, and then I think then we just
16 said we would only manufacture it for electrical
17 uses and then we stopped that when a substitute
18 became available. It wasn't one of our substitutes,
19 it was somebody else's, but we kept on with the use
20 for electrical work when they didn't have a
21 substitute. In fact, the government said, Don't
22 stop manufacturing it because the subways won't run
23 because you needed a fire-resistant fluid in the
24 transformer or generators, whatever cars, the subway
25 cars. In The White House, for example, there are

1 PCB transformers there.

2 And so eventually then we got out of
3 it. I'm not exactly sure when we made the decision
4 to get out but we got out before the Europeans
5 stopped manufacturing it, but they didn't. I don't
6 think anybody imported it into this country.

7 Q. Okay, so sometime in '68 or '69 you began
8 your three-year, two-year toxicity study?

9 A. That's correct.

10 Q. Prior to that date had you done any chronic
11 testing or subchronic testing of PCB's?

12 A. No, sir, not except that inhalation study,
13 because there's an industrial chemical, that we did
14 not think it was going to get into the food chain at
15 all. You didn't do two-year testing on detergents,
16 you know, or on radiator fluids and things like
17 brake fluids. You don't do that.

18 Q. But you didn't do any three-year testing
19 prior to 1968 or '69, did you?

20 A. That's correct.

21 Q. You did testing to determine what a toxic
22 dose was in that period prior to '68 or '69?

23 A. No, and to also determine what the levels
24 were that a worker could be exposed to from the
25 inhalation point of view, we did that.

1 Q. But in any event, only for acute toxicity?

2 A. Well, no. The one that Kettering did in
3 '54 or the middle '50's, that was sort of a
4 subacute thing. They weren't doing -- nobody was
5 doing a two-year testing on an industrial chemical.
6 They weren't doing it. They still don't do it.

7 MR. BAKER: We've been going an hour and 45
8 minutes now. You mind if I take a break?

9 (A brief recess was taken.)

10 Q. (By Mr. Baker) Dr. Kelly, before we took
11 our break you were talking about actions that
12 Monsanto took after learning of the Jensen study.
13 Do you recall the ones that you mentioned?

14 A. Yes, we mentioned a point man for the whole
15 problem, which was Mr. Papageorge; tell our
16 customers about the problem of environmental
17 pollution, and also start our toxicological work,
18 clean up our own outflows. Also, arrange for
19 incineration of used PCB's at no profit to us.
20 There may be some others also.

21 Q. Okay.

22 A. And also decide which products would have
23 to be eliminated from manufacture if it had open
24 uses that could not possibly be controlled.

25 Q. You mentioned meetings with corporate

1 representatives. I think you said there were four
2 or five of them?

3 A. That's correct.

4 Q. In 1970, 1971?

5 A. Yes, sir.

6 Q. Is that correct? We have already begun the
7 deposition of Mr. Papageorge and he indicated, if I
8 recall, that he started in his position that you
9 referred to here January 1st 1970. Does that sound
10 about right to you?

11 A. I don't know if it was January the 1st, but
12 he started one of the first meetings we had. One of
13 the recommendations was to get somebody, so we had
14 one before Papageorge started.

15 Q. I guess what I'm trying to zero in on here
16 is: Did any of these things you referred to occur
17 before Mr. Papageorge started? You said you had one
18 meeting before that and decided to bring him into
19 that position, although he already worked for
20 Monsanto. But of the other things you've mentioned,
21 did those all come after Mr. Papageorge started?

22 A. No, no, I don't think so.

23 Q. To the extent you can, tell us which of
24 those were begun before Mr. Papageorge came into
25 that position in early 1970?

1 A. Well, of my own knowledge, I can't say that
2 this occurred, but it's my impression that the plant
3 started looking at their outflow possibility of
4 contamination before Papageorge came aboard. I
5 don't know what the marketing people may have told
6 our customers before that. I don't know if there
7 were widespread letters sent before Papageorge came,
8 but my impression is information was given to
9 customers about the environmental problem before
10 that.

11 Q. Do you have any personal knowledge
12 concerning the information that was given to
13 customers before 1970?

14 A. No, sir.

15 Q. Do you have in your possession or control
16 any documentation that would demonstrate that
17 information was given to the customers concerning
18 this subject before 1970?

19 MR. CHAMBERS: Let me object to the form
20 just to clarify the reference to this subject being
21 the PCB environmental situation.

22 MR. BAKER: Well, we're referring to -- he
23 said information may have been given to customers
24 after 1970 concerning potential environmental damage
25 from PCB's.

1 A. After 1970? I said before Papageorge came.

2 Q. (By Mr. Baker) Right. My question is:
3 Concerning that subject, environmental damage from
4 PCB's, do you have any documentation of any such
5 information being given to customers prior to 1970?

6 A. No, sir, I do not.

7 Q. Do you recall having seen any
8 correspondence, memoranda or other documentation of
9 information being given to customers on that subject
10 prior to 1970?

11 A. No, but I don't think that would, in the
12 normal course of business, come across my desk.

13 Q. Let's go back now for a moment on some of
14 the things that were known prior to the 1966 or
15 early 1967 discoveries by Mr. Jensen.

16 First, you've testified about work
17 that Monsanto did in 1954. To the best of your
18 recollection, can you summarize what was found in
19 that study?

20 A. Yes, what was found was that the safety
21 level for the breathing environment for a worker for
22 an 8-hour day was .5 milligrams of 1254 per cubic
23 meter of air. For 1248 it was 1 milligram. It was
24 found that the target organ was the liver.

25 Q. What do you mean by that last statement,

1 that the target organ was the liver?

2 A. Well, that was where the focus of the toxic
3 effect of the compound was.

4 Q. To your knowledge, between the 1954 study
5 and 1966 did Monsanto communicate to customers the
6 supposedly safe levels of 1254 or 1248 or any
7 information concerning possible liver damage?

8 A. Well, I don't know -- it's a dual question.

9 Q. Then let's take the first half first then.

10 A. The first half, in our bulletins, we did,
11 in some of the bulletins, mention the accepted
12 levels by the Government Industrial Hygiene
13 Association. That's some of our bulletins.

14 Certainly, in letters and
15 communications from me to anybody that called asking
16 about the toxicity, we told them that, we told them
17 what the safe levels were. As far as saying it will
18 be able to cause liver trouble, if it were, we may
19 have. If it was a purchasing agent, I wouldn't
20 know. I mean if you go to a gasoline pump they all
21 say don't smoke, it's liable to blow you into the
22 next county. They just tell you what not to do.

23 We did say these are the safe levels
24 and these are the ways you handle it. Handle it
25 safely.

1 Q. Do you recall whether any of the literature
2 regarding Pydraul referred to the safe levels for
3 ambient air limitations?

4 A. I don't recall. I mean -- I don't recall.

5 Q. Can you tell us in your opinion why that
6 literature should or should not have referred to
7 this for Pydraul used as a fire-resistant lubricant?

8 A. Well, one of the reasons would be we did
9 not expect the use of it as a fire-resistant
10 lubricant to reach any significant levels in the
11 atmosphere. We -- what was the question again?

12 Q. In your opinion, why should or should not
13 your literature have mentioned the safe ambient air
14 levels with regard to Pydraul being used as a
15 fire-resistant lubricant?

16 A. Well, one, we told the people how to work
17 under any possible hazard level.

18 Two, we knew that the average customer
19 that got the material was not in a position to run
20 analytical levels around his air compressor. Let's
21 face it, they wouldn't do it or would not be capable
22 of it.

23 Three, we had no history at all of ill
24 effects of Pydraul on any of the workers, both in
25 any operation using PCB's with any ill effects, with

1 the exception of the acute episodes that I talked to
2 you about. It just wasn't any. That's not only my
3 opinion, it's Dr. Kimbrel's opinion, who was a PCB
4 expert for the government. She stated twice in
5 publications, environmental publications, that with
6 the exception of an occasional case of chloracne,
7 there were no systemic illnesses attributed to
8 workers using PCB's.

9 Q. Now, let's go back to the Drinker studies
10 and, frankly, the first I've heard of a subsequent
11 Drinker publication came from you today, so maybe
12 you could enlighten me a little bit more on this.

13 First, to the best of your
14 recollection, what was it that Drinker first
15 reported about PCB's and what was it that he later
16 said after communications and exchange of examples
17 with you?

18 A. We're talking about one PCB which he
19 claimed was a PCB. He tested something that he said
20 was 1262 which he claimed was a PCB. It was either
21 1262 or 1260. I think it was in 1260 he said that
22 he found considerable toxicity in the material.

23 Upon inquiry by me as to where he
24 obtained the sample, he said he got it from Halo Wax
25 Corporation, so I sent him a sample, I don't know --

1 I think he said he had a 1260, and the closest we
2 had to a 1260 was a 1262, and he was quite surprised
3 to find that the toxicity was less than a tenth of
4 what he had reported previously, so in a subsequent
5 paper in 1939 he stated that fact. In fact, I think
6 I'm almost quoting him directly when he said, "We
7 were surprised to find its toxicity was enormously
8 less than what we previously reported."

9 Q. Did he also find that the target organ was
10 the liver, that there was liver damage?

11 A. Yes.

12 Q. Are you familiar with a study done by
13 Mr. VonWeidle and others entitled "Observations of
14 Toxic Effects Resulting From Exposure to Chlorinated
15 Naphthalene and Chlorinated Phenyls With Suggestions
16 For Prevention" in about 1943?

17 A. Not familiar with it. I'm sure I've seen
18 it, but he, again, is coupling chlorinated
19 naphthalene -- did he say chlorinated biphenyls?

20 Q. Yes.

21 A. Well, really, it's tarred with the same
22 brush. That was really chlorinated biphenyl
23 benzene. There was 10 percent of chlorinated
24 biphenyl benzene into the Halo Wax that was sold.
25 There was 10 percent chlorinated biphenyl benzene

1 and 90 percent chlorinated naphthalene, and they had
2 problems, no question about it.

3 Q. Okay, as I understand it, this was
4 published in a publication called the Rubber Age in
5 1943. Are you familiar with that?

6 A. Well, I've -- am I familiar with the
7 publication or with the paper?

8 Q. Both.

9 A. Well, first of all, Rubber Age is not a
10 highly scientific peer-reviewed journal, and so I
11 have seen the article but I'm not familiar with it
12 right today. But if you give me the article I'll be
13 happy to comment on it.

14 Q. Let me just ask you this: Do you know
15 whether Monsanto did any -- you testified about the
16 Drinker article or the Drinker study and said you
17 had communications with Dr. Drinker and that you
18 sent him a sample.

19 Do you know whether you or anyone from
20 Monsanto had any communications with
21 Mr. or Dr. VonWeidle concerning his report in 1943?

22 A. No, sir, I do not.

23 Q. You don't recall whether you did or you did
24 not have any?

25 A. Well, I didn't, and I don't know if anyone

1 from Monsanto did, but I do not recall seeing any
2 such correspondence.

3 Q. How did you determine that for Aroclor
4 1254, 0.5 milligrams per cubic meter of area was a
5 safe level?

6 A. Treon's work at the Kettering Institute
7 where he ran these inhalation studies on it.

8 Q. Briefly describe the kind of study that you
9 did on it, or they did for you.

10 A. That they did.

11 Q. Right.

12 A. You heat the material, put these animals in
13 a closed system, in a closed box, I don't know how
14 -- it's pretty good-sized chamber rather than a
15 box, and you heat the material outside the chamber
16 and you blow a current of air through it, over it,
17 and you blow these fumes into the chamber and you
18 analyze the air in the chamber until you get a
19 steady level of PCB in the atmosphere of the chamber
20 and you keep the animals in there for eight hours a
21 day for two or three weeks and then you see what
22 happens. You use different levels and you find out
23 what level doesn't bother the animals. You
24 sacrifice them at the end. If there's no pathology
25 or no obvious distress to the animals, why you come

1 out with a level that you think is safe.

2 Q. That's where I'm having the difficulty
3 understanding this. You said a level that you think
4 is safe. Now I've heard about toxicity studies
5 where you would tell us a level that would kill half
6 of the animals.

7 What, precisely, did you use to
8 determine or to judge what level was safe?

9 A. Well, I'd have to look at his report, and
10 I'm sure if you got it there I'll be happy to
11 comment on it because it was published. And not
12 only I decided, the government industrial hygienist
13 group which are a non -- no company representative
14 was on it, and the fact that OSHA people took this
15 same level, .5 milligrams per cubic meter, and said
16 this is a safe level.

17 So they analyzed Treon's work, they
18 analyzed what happened to the animals at higher
19 levels and what happened to them at this level and
20 level below, so I guess you just analyze the report
21 that the fella did.

22 Q. Do you recall after that report having
23 communications with people in factories or
24 facilities using Pydraul where they ask you what are
25 safe levels?

1 A. Yes, if anybody ever asked us, we sent out
2 the material to them. It was written up in our
3 bulletins and when we approached a new account the
4 marketing man would carry this bulletin with him.

5 Q. Do you recall any internal correspondence
6 concerning what are safe levels in the 1950's?

7 A. Internal Monsanto?

8 Q. Monsanto, yes.

9 A. Well, I don't know the exact details. I'm
10 sure we just didn't take this report and file it. I
11 mean we wrote to the Organic Division and said
12 here's the results of a test at Kettering that it's
13 okay to use this as a diecasting fluid at elevated
14 temperatures. This gives you an idea of the amount
15 that the person could be exposed to without any
16 harm.

17 Q. Do you recall any oral or written
18 communications with Dr. Barrett in England
19 concerning safe levels?

20 A. I don't recall them.

21 Q. Dr. Kelly, was the question raised at
22 Monsanto concerning workers eating around the
23 production facilities with PCB's?

24 A. There was one plant in East St. Louis where
25 the workers wanted to eat around the plant and Jack

1 Garrett, who was an industrial hygienist of ours,
2 was drawn into the discussion and I think he was
3 sort of a patsy for the guys saying it was the
4 Medical Department's opinion they shouldn't eat
5 around it. Well, that's certainly true. I don't
6 think you ought to eat your lunch in a place where
7 they are making industrial chemicals, but he came
8 out with a couple of statements saying that they are
9 liable to get some Aroclor or PCB on your ham
10 sandwich or something like that, which was
11 nonsense.

12 That's the only case that ever came
13 up. These people wanted to brown-bag it and then go
14 over and play cards for the rest of their lunch hour
15 rather than going to their designated lunch room.
16 That's the only one that ever came up.

17 Q. Were you involved in that decision or
18 discussion at all?

19 A. No, I didn't see it until it surfaced in
20 one of these trials.

21 Q. Were you involved in an attempt by Monsanto
22 to obtain approval to use Aroclor as an adhesive in
23 food packaging?

24 MR. CHAMBERS: For the record, object to
25 the relevancy of questions about using Aroclor as an

1 adhesive when this case is obviously a much
2 different application.

3 If you can answer that, Dr. Kelly,
4 you're welcome to.

5 A. Yes.

6 Q. (By Mr. Baker) Okay, approximately when
7 was that?

8 A. Gosh! Sometime after the food and drug
9 amendment was passed, which I thought was sometime
10 in the '60's or something like that, I'm not
11 certain.

12 Q. What was your involvement in that effort?

13 A. Well, they had a lot of that material and
14 they used some of the acute toxicity work that we
15 had carried out and we submitted it to the food and
16 drug for use of theirs.

17 Q. Were you asked to give an opinion as to
18 whether this would be safe?

19 A. Yes, or we wouldn't have proposed it if we
20 didn't think it was safe.

21 Q. Do you know if this was before or after the
22 Jensen report, the first Jensen report in '66?

23 A. I don't remember.

24 Q. What was the result of this effort?

25 A. They turned it down.

1 Q. Do you recall approximately when it was
2 turned down?

3 A. Probably six months after it was sent in,
4 but I don't recall when it was sent in.

5 Q. Did you ever try it again?

6 A. Well, they did some more work on it but I
7 don't know whether they did more elaborate
8 extracting work or not. We may have done a little
9 more toxicity, but at any rate, it was turned down
10 the second time, so we gave it up.

11 Q. And your personal opinion was it was safe
12 to use as an adhesive in food packaging?

13 A. Certainly, yes.

14 Q. Were you involved at any time in advising
15 Monsanto as to what should be included on labels of
16 products that contained PCB's?

17 A. Yes, sir.

18 Q. When did you first begin advising Monsanto
19 on that?

20 A. Probably sometime after 1946.

21 Q. Do you recall after 1946, what the
22 containers of PCB products said?

23 A. What they said as far as what? The whole
24 label?

25 Q. Can you, in general terms first, tell us

1 what kinds of information was on the labels of PCB
2 products starting in 1946?

3 A. Well, it said what the Monsanto trademark
4 -- trade name for the compound was. It said how
5 much was in it. It said -- the only part the
6 Medical Department had to do with it was to put down
7 the safe handling procedures to avoid any ill
8 effects.

9 Q. Between 1946 and 1970 do you recall at any
10 time changing the safe handling information on
11 labels for PCB products?

12 A. At one time there was either some state
13 directive or government directive that mandated for
14 any compound. If it was a chlorinated hydrocarbon
15 you had to have certain data on the label, and we
16 put that on the PCB's because it was a chlorinated
17 hydrocarbon.

18 Q. Do you recall approximately when that would
19 have been?

20 A. No, I don't.

21 Q. Do you know whether it was before or after
22 1970?

23 A. Before or after 1970?

24 Q. Yes.

25 A. I thought it was before.

1 Q. Other than the change you referred to that
2 was required by the governmental agency, do you
3 recall any changes between 1946 and 1970 in the safe
4 handling language on PCB product labels?

5 A. I don't think there were any basic changes.

6 Q. I want to show you a set of documents that
7 have been collectively marked as Plaintiff's Exhibit
8 12. I will tell you that these were produced to us
9 by Monsanto in response to a request for labeling
10 from Pydraul AC products, and ask you just briefly
11 to look through this and then I'll ask you a couple
12 of questions about it.

13 A. Yes, sir, I looked through.

14 Q. Let me ask you these questions backwards.
15 Do you recall any other safe handling information
16 that was at any time to your knowledge on Pydraul AC
17 containers?

18 MR. CHAMBERS: Just for purposes of
19 clarification, are you referring to any period of
20 time or --

21 MR. BAKER: From the time Pydraul AC was
22 first produced in the '50's until production was
23 ceased in the early 1970's, at any time at all.

24 A. There may have been environmental warnings,
25 but as far as safe handling for the workers

1 concerned, with the exception there may have been
2 some editorial changes, but the basic information
3 was the same.

4 Q. (By Mr. Baker) Do you recall any
5 environmental warnings that were ever included on
6 the Pydraul AC containers?

7 A. No, but if the material was still
8 manufactured after 1970 or '71, I would have thought
9 -- well, it was my impression that the
10 environmental stickers or environmental labels were
11 put on all compounds containing PCB's.

12 Q. Do you think that would have been after
13 1970 or 1971?

14 A. Yes, I think so.

15 Q. Prior to 1970 are you aware of any labeling
16 on Pydraul AC that would have mentioned PCB at all?

17 A. You mean the acronym PCB?

18 Q. Either PCB or polychlorinated biphenyls.

19 A. No, I don't think so.

20 Q. Now, these labels did say chlorinated
21 hydrocarbons but did not refer in any way to
22 polychlorinated biphenyls; is that correct?

23 A. No, but you must remember that before 1970
24 PCB's was not a household word, or polychlorinated
25 biphenyls was not a household word, so that adding

1 that to Pydraul AC would have added nothing of
2 information to the label.

3 Q. Let's refer to the first page here in
4 Plaintiff's Exhibit 12. It has the production
5 generated by Monsanto, the information at the
6 bottom, EQU, which refers to this case, page number
7 13757. Do you have that in front of you?

8 A. Yes.

9 Q. To your recollection is this a label that
10 was on a can or container of Pydraul AC?

11 A. Yes.

12 Q. Could you read the label from after the
13 caution and tell us exactly what it says?

14 A. "Contains chlorinated hydrocarbons. Avoid
15 prolonged breathing of vapors or mists. Avoid
16 contact with eyes or prolonged contact with skin.
17 If skin contact occurs, remove by washing with soap
18 and water. Following eye contact, flush with
19 water. If clothing becomes soaked with fluid,
20 launder before wearing again."

21 Q. Were you, in part, responsible for that
22 language?

23 A. Yes, sir.

24 Q. How big a role did you play in that?

25 A. I'd say 90 percent.

1 Q. Did you or anyone else at Monsanto consider
2 including any additional wording after the word
3 "caution"?

4 A. No, sir.

5 Q. Did you consider including any instructions
6 about washing clothing other than clothing that has
7 become soaked?

8 A. No, I don't know how you could quantify --
9 I mean maybe soaked may not be the best term in the
10 world but I think we give the American workers
11 enough -- we have enough belief in the common sense
12 that he knows when your clothes get wet with
13 industrial compound you take them off and have them
14 laundered.

15 Q. I agree with you that I think most workers
16 would have them laundered if they become soaked with
17 fluid or wet with the product.

18 Did you consider having any suggestion
19 or instruction that they should be laundered even if
20 they did not become wet with the fluid?

21 A. You mean if the man is wearing a pair of
22 coveralls and does it have any fluid on them, on the
23 coveralls? They should be laundered anyway.

24 Q. My question is: At any point did you
25 consider issuing a warning on that?

1 A. No, sir, we didn't.

2 Q. Did you consider issuing a warning on
3 breathing of vapors or mists without the word
4 "prolonged"?

5 A. Say that over. Did we --

6 Q. Your first statement here says, "Avoid
7 prolonged breathing of vapors or mists."

8 Did you consider a warning or a
9 statement on the label that would have deleted the
10 word "prolonged" or to the effect of avoid breathing
11 of vapors or mists?

12 A. No, sir, because we didn't think it was
13 necessary. Not talking about hydrogen cyanide when
14 you take one whiff and you drop dead. If you have a
15 spill you can smell the stuff but, certainly, you
16 can clean it up. You don't have to avoid breathing
17 in vapors for that period of time.

18 Q. You've also said avoid any contact with
19 eyes or prolonged -- or avoid contact with eyes. I
20 Added the word "any," but avoid contact with eyes or
21 prolonged contact with skin.

22 Did you at any point consider advising
23 the avoidance with any contact with skin?

24 A. No, sir, because if a man gets some on his
25 hands, he washes it off. The answer is no.

1 Q. Did you at any point consider whether the
2 label should refer to PCB's or polychlorinated
3 biphenyls?

4 A. I think I answered that. I said that we
5 didn't think saying Pydraul AC present, that if they
6 advertise polychlorinated biphenyls, phosphate
7 ester, adds anything to a workman's knowledge about
8 the product.

9 Q. And for the period 1966 to 1970 you did not
10 consider that the word "PCB" added anything to the
11 customer's knowledge?

12 A. Well, remember -- the answer is no, with an
13 explanation. The reason --

14 Q. Feel free to explain any answer you want to
15 give.

16 A. Fine. In a label you are talking about
17 preventing harm to the user of a product. The
18 danger after around '69 and '70 was to the
19 environment, it was not to the worker, so we didn't
20 see -- until we put our environmental cautions on
21 the labels we didn't think it was necessary to add
22 anything such as you have suggested.

23 Q. Did you consider at any point with regard
24 to the Pydraul AC label any mention of potential
25 liver damage?

1 A. No, sir.

2 Q. Did you, prior to 1970 or '71, when a state
3 or federal agency may have required you to do that,
4 did you consider any labeling concerning potential
5 environmental damage?

6 A. What dates?

7 Q. Prior to '70 or '71. The reason I picked
8 those out, I think you had testified earlier that in
9 1970 or 1971 a governmental agency required that you
10 put an environmental sticker on certain containers.

11 A. They didn't require it. We put it on
12 ourselves.

13 Q. I may have misunderstood that, but prior to
14 that time did you consider putting on the label any
15 information concerning potential environmental
16 damage?

17 A. I think you would have to ask the
18 manufacturing people that. I'm not in a position to
19 answer that.

20 I believe as far as the Medical
21 Department was concerned, we knew that the customers
22 were getting some information from the marketing
23 people, although it was not formalized until Bill
24 Papageorge came on board. But he came on you said
25 the first part of January of '70 --

1 Q. His testimony was January 1st 1970.

2 A. Well, before that I don't know how
3 information got out to the customers. I know there
4 certainly were inquiries because Elmer Wheeler had
5 inquiries. People would read the stuff in the
6 newspaper and say, What about this?

7 Q. I will ask you to turn -- the easy way
8 would be go to the very back page and work forward
9 three pages. There's a label there for
10 Pydraul AC A. Are you familiar with this label?

11 A. No, sir, I don't recall much about this
12 label.

13 Q. I believe the wording after "caution" on
14 this one is -- while it's in a different kind of
15 print I believe it is exactly the same as the AC
16 label. Is there anything that you see there that
17 you believe is different?

18 A. No, sir, I don't see anything different.

19 Q. Are you familiar with the product
20 Pydraul AC A?

21 A. Vaguely, not --

22 Q. Let me try to refresh your recollection.
23 Mr. Papageorge testified previously in this case
24 that this was a product that was made for a period
25 of about a year between -- and don't hold me to the

1 months -- but between mid '70 and mid 1971, and said
2 that the product did not contain PCB's but contained
3 PCT's. Does that in any way refresh your
4 recollection of the product?

5 A. Vaguely, but I do not know too much about
6 it.

7 Q. Were you consulted at all concerning the
8 labeling for this product?

9 MR. CHAMBERS: Let me object for the record
10 to the relevancy of inquiring about Pydraul AC
11 labels, but go ahead and answer, if you can,
12 Dr. Kelly.

13 A. Well, I'm sure that somebody in the Medical
14 Department was, whether it was Elmer Wheeler or
15 myself or doctor -- at that time maybe Dr. Rausch
16 was there then, I'm not sure, but all safe handling
17 data on labels -- all labels were submitted to the
18 Medical Department, so somebody in our department
19 saw it.

20 Q. (By Mr. Baker) Do you recall any
21 discussions concerning the Pydraul AC A label?

22 A. No, sir, I don't.

23 Q. Did Monsanto manufacture other chlorinated
24 hydrocarbons besides PCB's and PCT's?

25 MR. CHAMBERS: Let me object to the

1 relevancy of that line of questioning as well, but
2 go ahead and answer, if you can, Dr. Kelly.

3 A. Oh, gosh, yes, they manufactured a bunch of
4 them.

5 Q. (By Mr. Baker) Just for my information,
6 understanding the objection as to the admissibility
7 at trial of the information, can you give me some of
8 the examples of chlorinated bicarbons?

9 A. Petroferclon. 245T. Dichloro benzene.
10 There's a bunch of them.

11 Q. Were you involved in advising Monsanto as
12 to what should be contained on the labels for some
13 of these other products?

14 A. Yes.

15 Q. To your recollection were there any
16 material differences in the labeling of PCB products
17 and other chlorinated hydrocarbon products?

18 A. I can't recall. We may have had more -- I
19 can't recall whether there was any.

20 Q. Going to show you a document that has been
21 premarked Plaintiff's Exhibit 13, and this is not a
22 really good copy. If you need any help in reading
23 it, we'll try to help. Ask you first to review that
24 document.

25 MR. CHAMBERS: Can we go off the record for

1 a second?

2 MR. BAKER: Sure.

3 (Discussion off the record.)

4 Q. (By Mr. Baker) Dr. Kelly, I probably
5 should have just told you to review the first two
6 pages of this document. Have you done that?

7 A. Yes, I have.

8 Q. And I will state for the record that there
9 are four pages here that were produced to us by
10 Monsanto we believe stapled together in this form
11 and they are numbered chronologically. I don't
12 personally know why the last two pages are included
13 with this and I don't intend to ask you any
14 questions about the last two pages, so we will focus
15 on the first two pages.

16 A. Thank you.

17 Q. Can you identify this first two pages of
18 this document?

19 A. Yes, it's a United States Department of
20 Labor Material Safety Data Sheet, Form Approved,
21 OMB, No. 44-R1387.

22 Q. Have you seen this document prior to today?

23 A. I'm sure I have. I have no recollection of
24 when.

25 Q. As part of your position at Monsanto in

1 1971 would you have been involved in the completion
2 of this form?

3 A. Either I or some member of my department
4 would have been.

5 Q. What was the purpose of this form?

6 A. Government sent it out and said you should
7 send this to any customer who asks for it. I'm not
8 sure whether or not it was supposed to accompany
9 shipment or not, I don't recall that.

10 Q. But any customer who asked for it, you
11 would send this to any customer who requested the
12 MSDS form?

13 A. Yes. But as I said, I don't know the
14 purpose of the Department of Labor had in making
15 this form. I mean I'm sure that they just didn't
16 send us a form and say fill it out. They must have
17 told us what to do with it. I don't recall what
18 their purpose was at that time. But we sent out
19 hundreds of these.

20 Q. On the front page there, Section I refers
21 to Aroclor 1254, and that was the PCB product that
22 was contained in Pydraul AC I believe, was it not?

23 A. Yes.

24 Q. Section II says "Hazardous Ingredients,"
25 and it appears this form is blank. I assume that

1 the reason that section was blank is that none of
2 these items were included in Aroclor 1254, or is
3 there a different reason?

4 A. I wouldn't know why it was left blank. I
5 mean -- but they made catalysts, solvents,
6 additives, alloys, base metal, metallic coatings are
7 all left out.

8 Q. Should some of these have had an entry for
9 Aroclor 1254?

10 A. Beg your pardon?

11 Q. In your opinion should there be entries in
12 Section II for this product?

13 A. Well -- no, I don't think so.

14 Q. If you'll turn to the second page, up at
15 the very top there, and I'll read the small print
16 here, it's difficult. I believe it says "Threshold
17 Limit Value." This is not a good copy. But right
18 after that it has 0.5 milligrams I believe per cubic
19 meter of air?

20 A. Correct.

21 Q. Is that correct?

22 A. Correct.

23 Q. Now, that's the same limit that you
24 testified earlier you concluded was a safe amount
25 after the 1954 study?

1 A. Yes, sir.

2 Q. Were there any studies between 1954 and
3 1971 when this form was filled out to investigate
4 that further?

5 A. No, we had a pretty thorough investigation
6 of it in 1954.

7 Q. "Effects of Overexposure" is the next line,
8 and it refers to skin irritation in the form of
9 chloracne, systematic intoxication leads to nausea,
10 vomiting, loss of weight -- is that edema?

11 A. Edema.

12 Q. And abdominal pain I believe?

13 A. Pain.

14 Q. Again, some of it is difficult to read, but
15 do you agree that's what that entry says?

16 A. That's what it says.

17 Q. Would you or someone in your department
18 have been responsible for that entry?

19 A. Yes, sir.

20 Q. Can you tell us why this does not refer to
21 either jaundice or any other liver damage?

22 A. No, but I guess they are really talking
23 about symptoms that you get from overexposure, and
24 you get nausea, vomiting, loss of weight, edema and
25 abdominal pain which you get from an enlarged liver,

1 so that anybody in any medical group would know,
2 looking at this, that the liver is the thing that's
3 doing this. I think you are putting this in the
4 hands of lay people, and if you say "causes liver
5 damage," it would say, What symptoms do you get from
6 liver damage? Well, maybe we could have said
7 jaundice, but that might be two weeks down the road,
8 so the earlier symptoms are nausea, vomiting,
9 abdominal pain. So I think that's more important
10 than putting down jaundice.

11 Q. Do you recall whether there was discussion
12 about that wording?

13 A. No, I don't recall any.

14 Q. Do you believe reading this would have
15 caused a customer to have more concern if it
16 referred to liver damage than to vomiting or nausea?

17 A. I can't answer what might be in a
18 customer's mind, I don't know.

19 Q. But you don't remember any discussion about
20 the effect this would have on a customer?

21 A. No, sir.

22 Q. The term "edema," can you tell us what that
23 means?

24 A. Edema means swelling of the subcutaneous
25 tissues. I don't know why that was in there.

1 Q. I won't ask you any more about that.
2 Section VII refers to spill or leak procedures.

3 Were you or someone in your department
4 responsible for the wording under this section?

5 A. No, that would have been Mr. Papageorge's
6 department in May of '71.

7 Q. If it's agreeable with you, I'll show you
8 one more exhibit and then we'll take a break.

9 A. That's all right with me.

10 Q. This one I believe is four pages, all the
11 same thing, and it's been marked as Plaintiff's
12 Exhibit 14. I'll ask you to take a look at that.

13 A. Yes, sir.

14 Q. And I will say, I believe this may have
15 been prepared after you left employment with
16 Monsanto.

17 A. Six years afterwards.

18 Q. You've testified previously about
19 governmental required stickers and labels?

20 A. No, I said at the time when I was
21 concerned, working with Monsanto, the government did
22 not require any. I don't know when they started it.

23 Q. Would the labels shown on the first page of
24 this be the kinds of labeling you referred to that
25 was required by the government at a later date?

1 A. Say that over?

2 Q. That's a very bad question.

3 A. It is.

4 Q. You testified previously, if I recall
5 correctly, that at some date, at some point, you
6 weren't sure of the date, the government required
7 labels in the late '60's or -- that they did not
8 require certain kinds of labels.

9 MR. CHAMBERS: Let me object again just to
10 form because I think that mischaracterizes what the
11 earlier testimony was. I'm not -- maybe we need to
12 go all the way back there and see if there has been
13 testimony that the government required labels.

14 MR. BAKER: Okay, let me just try to start
15 over because I don't want to mischaracterize the
16 testimony at all.

17 Q. (By Mr. Baker) To your knowledge, at some
18 point did the government require labeling of PCB
19 products?

20 A. Yes, sir.

21 Q. Do you know at what point that occurred?

22 A. After the Toxic Substance Control Act was
23 passed, TSCA, and I do not know when TSCA was
24 passed. It was passed sometime after 1974.

25 Q. Prior to that point do you know whether

1 Monsanto included the term "PCB" or "polychlorinated
2 biphenyls" on any of its labels?

3 A. I do not know. They may have, and I do not
4 know.

5 Q. Were you in any way responsible for the
6 information contained on this newer Material Safety
7 Data Sheet that is marked as Plaintiff's Exhibit 14?

8 A. No, sir. You say -- the last phrase is --

9 Q. Marked as Plaintiff's Exhibit 14.

10 A. Oh, no.

11 MR. BAKER: Well, I have no further
12 questions concerning this exhibit. It is almost
13 1:00 o'clock our time.

14 MR. CHAMBERS: That's right.

15 MR. BAKER: But it's just before noon
16 prevailing local time so I would propose that we
17 take.

18 (The lunch recess was taken, after
19 which the proceedings resumed as
20 follows.)

21 Q. (By Mr. Baker) Dr. Kelly, I'm going to,
22 over the next hour or two, go through a number of
23 documents with you.

24 A. All right.

25 Q. Some of these I expect you have seen

1 before, maybe some of them that you haven't. If at
2 any time you want to go off the record to review one
3 that may be lengthy, just say so and we'll take a
4 break.

5 A. Yes, sir.

6 Q. I'm going to start with a document here
7 that was marked as Plaintiff's Exhibit 15. It's
8 called the Pydraul AC Fact Finder. It's a copy of a
9 brochure that we believe Monsanto supplied to
10 certain customers of Pydraul AC.

11 A. Yes, sir, I've read it.

12 Q. Okay, have you seen this document before
13 today, doctor?

14 A. Yes, I have.

15 Q. I asked a couple of other witnesses this
16 question and we haven't been able to find an answer
17 yet, so I will ask you.

18 Do you know roughly when this document
19 would have been prepared?

20 A. What?

21 Q. Prepared?

22 A. When it would have been prepared?

23 Q. When, yes.

24 A. No, sir, I do not.

25 Q. Do you know whether there was more than one

1 edition of this document?

2 A. No, sir, I do not.

3 Q. Do you know whether there were any other
4 brochures or similar documents that would have been
5 sent to customers of Pydraul AC?

6 A. No, sir, I do not.

7 Q. I'll ask you to turn to page 1457 in the
8 numbering system from the production of documents.
9 It's the third page from the back.

10 A. Yes, sir.

11 Q. That is just a cover sheet for the section
12 that says "Safe Handling"?

13 A. Yes, sir.

14 Q. If you turn to the next page I will ask you
15 a few questions about this page.

16 First, were you involved in any way in
17 determining what information went into this brochure
18 under the heading "Safe Handling"?

19 A. I can't be sure. I do not know whether
20 this was written during my tenure there. If it was,
21 I certainly was. If it occurred after December of
22 1974, I wasn't.

23 Q. I will tell you that I believe that we all
24 agree this was sent out well before December of
25 '74.

1 A. Then I was.

2 Q. Have you read this one-page section on safe
3 handling?

4 A. Yes, sir.

5 Q. Is there any reference in there to possible
6 liver damage?

7 A. No, sir.

8 Q. Is there any reference here to means of
9 disposal of Pydraul AC?

10 A. No, sir. Well, not on that page. I don't
11 know if it were in any of the previous ones. I
12 didn't see them.

13 Q. Would your department have been responsible
14 for determining whether to include disposal
15 instructions in a brochure such as this or would
16 that have come from some other department?

17 A. It would have come from someone else.
18 Elmer Wheeler or I may have seen it because he was
19 very close to governmental authorities on
20 environmental aspects and the Manufacturing Group in
21 other companies.

22 Q. Can you identify what department within
23 Monsanto would have been responsible for determining
24 what information concerning disposal to include in a
25 brochure such as this?

1 A. It depends on what time you're talking
2 about. If it were after 1970, it was Papageorge.
3 It was in the Organic Division all the time. They
4 changed the names of the company about every seven
5 years. It was Organic Division once, then it was
6 Monsanto Chemical Company some other time.

7 Q. From the period 1956 through 1970 what
8 information can you provide us concerning the name
9 of the department and the personnel involved and the
10 decisions --

11 A. Well, the name of the department was
12 Functional Fluids and it was under the
13 responsibility of, I believe, what they call the
14 Organic Division at that time.

15 Q. What persons would have been responsible
16 for that between 1956 and 1970?

17 MR. CHAMBERS: Just for purposes of
18 clarification, by "that" are you referring to the
19 disposal instructions or --

20 MR. BAKER: Determining what instructions
21 were to be provided to customers regarding disposal
22 of Pydraul AC or similar fluids.

23 A. When was the upper limit?

24 Q. (By Mr. Baker) I believe you said after
25 1970 Papageorge would have been responsible?

1 A. Yes, before '70 --

2 Q. I picked 1956 as an arbitrary date, but
3 from the mid-'50's through 1970.

4 A. I think it was sort of like responsibility
5 by committee. I would say the people who were in
6 charge were the research, development and marketing
7 group and manufacturing group of the Organic
8 Division. I don't know if they reported to a
9 product director, if that was the term they used at
10 that time.

11 They broke their products down into
12 several main groups. The product director of the
13 Functional Fluids Group was a fella who was
14 ultimately responsible but he used all those
15 ancillary people to help him.

16 Q. Is it a fair statement that your concern
17 during this period was primarily toward toxicity in
18 persons and not toward potential environmental
19 damage?

20 A. Yes, sir, we had no idea that there was an
21 environmental problem until the late '60's.

22 Q. I mean your personal responsibility in your
23 position with Monsanto.

24 A. No, that isn't true, because Elmer Wheeler
25 was almost a point man before -- he was a member of

1 our department before Papageorge was selected. In
2 fact, he was doing so much work on it that I said to
3 the Organic Division, "Look, I'll either send you
4 him and you keep him full time or get a full time
5 man yourself."

6 Q. So responsibility for environmental matters
7 was within your department before 1970?

8 A. No, it was still in the Manufacturing
9 Group, the people who made it, but they used us as a
10 staffed department, quite frequently, for our input
11 and for our knowledge of government people,
12 government agencies. In fact, sometime quite a ways
13 back the Executive Committee made a statement saying
14 that the Medical Department would review all new
15 plants, the flow sheets of new plants, to decide
16 whether or not the disposal was in accord with the
17 state of the art and any state regulations that may
18 occur, that maybe in existence at that time, so the
19 Medical Department did have considerable input into
20 the environmental aspects.

21 Q. And that was Monsanto plants that you
22 reviewed; is that correct?

23 A. Yes.

24 Q. Did the Medical Department play any role in
25 advising customers or causing Monsanto to advise

1 customers concerning disposal of any products?

2 A. We may not have advised them, but I'm
3 thinking of insecticides. When you said "any
4 product," insecticides, which are toxic things, we
5 advise the Manufacturing Group, the Agricultural
6 Chemical Division, what the dangers were of having a
7 drum with a little bit of Parathion in it. So we
8 advised them on that, but the actual carrying out of
9 the instructions to the customer were carried out by
10 the Manufacturing Group.

11 Q. Did you advise the Manufacturing Group that
12 they should inform customers of this risk with
13 regard to pesticides?

14 A. Yes.

15 Q. If you had known at the time this brochure
16 was printed, whenever that was -- we haven't
17 identified the date, but we are certain it was
18 before 1970. If you had known before this brochure
19 was printed that PCB's were accumulating in the
20 environment and that there was the environmental
21 problem that you have testified to previously, would
22 you have advised Monsanto to include instructions as
23 to disposal in this brochure?

24 MR. CHAMBERS: Objection, objection, just
25 to the extent it calls for speculation, assumes

1 facts that aren't in evidence yet.

2 But go ahead and answer, if you can,
3 Dr. Kelly.

4 A. Two parts to that question. We certainly
5 knew they were accumulating in the environment but
6 we didn't think they were causing any harm because,
7 after all, they were being discarded by people, they
8 were going into landfills, they were going into
9 large bodies of water, and we assumed, it was our
10 belief, that it was inert, insoluble in water, would
11 not leach out of a landfill and stayed like a piece
12 of gravel or a lump of coal, so that's one half of
13 it.

14 We saw no reason to warn our customers
15 about that because we didn't think they were causing
16 any environmental problem. If we had known that
17 there was an environmental problem, we certainly
18 would have put it in, yes.

19 Q. (By Mr. Baker) Would you, in your position
20 with the Medical Department, have advised Monsanto
21 to put it in if you had known there was an
22 environmental problem?

23 A. Yes.

24 Q. That would have been part of your job?

25 A. Yes.

1 Q. Do you know how Monsanto disposed of PCB
2 products in its possession that it wished to dispose
3 of in the 1950's and 1960's?

4 A. No, I do not know that. We had Elmer
5 Wheeler and Jack Garrett, two people who were in our
6 department that knew that. I can't quote you the
7 gospel and verse of it but I know that they were
8 either disposed of in landfills or by dilution or
9 approved methods. Some of the states may have had
10 regulations, I don't know. That's a long way of
11 getting around to say I don't know definitely.

12 Q. Do you recall ever being consulted as to
13 how Monsanto itself should dispose of PCB products?

14 A. I think they would have gone to Garrett or
15 Wheeler. I, myself, was not asked.

16 Q. Before we leave this particular exhibit,
17 toward the bottom of page 1458 is a reference to air
18 masks, air line masks.

19 Did you not believe in the 1950's and
20 '60's that there was a risk of liver damage from
21 breathing PCB products?

22 A. Well, sure, we said, Do not breathe the
23 vapors.

24 Q. Did you know or suspect that PCB vapors
25 were being contained in air, in the compressed air,

1 that would be used in this airline mask?

2 A. Well, there was a possibility. I mean
3 suppose there's a leak someplace in there, but
4 that's why we suggested that a filter be put in.

5 Q. Was there in the 1950's and 1960's a
6 commercial filter cartridge that would filter all
7 the PCB vapors out of the air?

8 A. I don't know. I'm sure if this was written
9 in the '50's, that we recommended it, if there was
10 one. I don't know when this was written and I don't
11 know when. As far as back as I remember, air lines
12 going to an air line mask from a compressed air
13 source had a filter in.

14 Q. And do you have any knowledge as to whether
15 these filters could filter PCB products out of the
16 air?

17 A. I'm sure they did because we wouldn't have
18 recommended it.

19 Q. But you don't know?

20 A. No, I don't know.

21 Q. I want to show you a document that has been
22 marked as Plaintiff's Exhibit 17. It's an unsigned
23 copy but appears to be a letter from you dated July
24 9, 1957, and ask you to review this, please.

25 A. Yes, sir, I wrote this.

1 Q. Was this a common inquiry that customers
2 raised?

3 A. Is it -- see, "common" is a quantitative
4 term and I really don't know if it was five
5 customers or ten or two, I just don't know.
6 Obviously, there must have been this one, certainly,
7 whoever Singleton was, if he was a Monsanto person.
8 I don't know who the heading of this -- they are
9 quoting my letter but they aren't quoting whom -- I
10 wrote it, so I don't recall a big bunch of them. I
11 mean maybe three, four at the most.

12 Q. I'll refer you to the first paragraph of
13 this, says, "As Pydraul AC gains greater use in the
14 compressor field, more questions are being raised.
15 One of these which has shown up with relative
16 frequency concerns Pydraul AC's use in air systems
17 supplying air breathing masks."

18 Does that refresh your recollection?

19 A. No, I didn't write that part. See, this is
20 Plummer, that's his -- that first paragraph was his.

21 Q. And the enclosed letter from you?

22 A. Huh?

23 Q. And then the indented letter was your
24 writing; is that correct?

25 A. Well, it starts with "Mr. Singleton." The

1 quotes are my letter, so I don't know where Plummer
2 got -- what he meant by "relative frequency," I
3 don't know.

4 Q. But your advice was, if I may summarize it,
5 that you didn't see any risk in using these air
6 masks where Pydraul was used in the air systems
7 spraying the air.

8 MR. CHAMBERS: I'll object to the form.
9 I'd rather just stick with what he says as opposed
10 to summarizing.

11 Q. (By Mr. Baker) Did you mention anywhere in
12 this letter using filters to filter out PCB's?

13 A. Well, I said, "I would imagine also that a
14 further safeguard would exist in the traps that
15 probably are installed in conjunction with air going
16 to an air mask."

17 Q. You meant the trap there to be a filter?

18 A. Yes, I said compressed, a way of saying
19 lines, but I'm saying cannisters with filters in
20 there.

21 Q. Did you refer at all in this letter to
22 possible liver damage?

23 A. No, because I said there wasn't any hazard.

24 Q. But you referred to a low acute oral
25 toxicity?

1 A. Yes.

2 Q. But you didn't mention any other potential
3 damage, did you?

4 A. No, because I said -- I'm implying that if
5 there is a break in there, if something happens,
6 even if it happens, this is a relatively low order
7 of acute toxicity, the amount that is picked up -- I
8 mean at normal ambient temperatures. So no, I
9 didn't. And I believe I was right then. I believe
10 I'm right now, because we haven't had any case
11 reports any time from '57 to '92 of people being
12 injured by Pydraul in an air compressor.

13 Q. I want to show you a document labeled
14 Plaintiff's Exhibit 28, and this, again, is an
15 unsigned copy but I'm convinced it's a letter that
16 you sent to Kentucky Hydrocarbon, my client?

17 A. Yes, sir.

18 Q. Review this, please.

19 A. Beg your pardon?

20 Q. Have you reviewed this document?

21 A. This is the same letter that's quoted in
22 the previous exhibit.

23 Q. This one is addressed to Kentucky
24 Hydrocarbon and doesn't contain the statements from
25 Mr. Plummer there. It has a copy of your -- has

1 your letter typed in it.

2 A. Yes, this was written six weeks before
3 Plummer sent mine to somebody else.

4 Q. Do you recall anything about this inquiry
5 from Kentucky Hydrocarbon?

6 A. Do I do what?

7 Q. Do you recall anything about this inquiry
8 from Kentucky Hydrocarbon, and your response?

9 A. No, sir, but let me tell you that Monsanto
10 had a policy that if any customer asked a sales
11 representative about toxicity of a product, that was
12 referred to me. If anybody called up Monsanto and
13 said, I want to inquire about the toxicity or safe
14 handling of a product, that was referred to the
15 Medical Department. So, obviously, that Singleton
16 must have been in the Marketing Department, got this
17 inquiry from Roberts and talked to me about it, so I
18 answered Mr. Roberts.

19 Q. And, again, this letter did not mention any
20 potential liver damage?

21 A. No, sir, because I said there was no
22 hazard. If you got no hazard, you don't get any
23 liver trouble.

24 Q. And is it your testimony then that you did
25 not intend for this letter to indicate that PCB's

1 don't cause damage but, rather, intended it to
2 indicate that you did not expect a leakage of PCB's
3 from the use of Pydraul AC?

4 A. Say that again?

5 Q. Do I understand then that your meaning in
6 this letter was not that PCB's don't cause damage,
7 but that you didn't expect any exposure to PCB's
8 from the use of Pydraul AC?

9 A. Well, yes, by -- well, I would phrase it a
10 little differently. Mr. Roberts obviously said,
11 "What's the possible hazard of using Pydraul AC in
12 a compressor which will furnish compressed air for,
13 among other things, an air mask?" And I wrote
14 back. After explaining my reasons, I said, "I'm
15 sure there is no hazard involved."

16 I didn't have to talk about any
17 potential toxicity of PCB, I just said there was no
18 hazard involved in this operation.

19 Q. Do you recall personally any oral or
20 written contact with Kentucky Hydrocarbon?

21 A. No, sir, I didn't recall that until
22 somebody showed me that memorandum.

23 Q. And other than that, you don't recall any
24 communications to or from Kentucky Hydrocarbon?

25 A. No, sir. There may but I don't recall it.

1 Q. Do you recall any communications to or from
2 Equitable Resources?

3 A. I didn't remember the name before last
4 week.

5 Q. Let me ask you another question. I expect
6 the same answer. How about Equitable Gas Company?

7 A. No, sir, I do not remember any contact.

8 Q. I'm going to show you a document that's
9 marked as Plaintiff's Exhibit 20 and ask you first
10 to take a moment and review this.

11 A. Yes.

12 Q. Have you previously seen this document?

13 A. Yes, sir.

14 Q. Were you involved in drafting this
15 document?

16 A. I don't recall that. This was a member of
17 our department, may have been, but it looks like
18 this was -- this occurred after Papageorge came on
19 board and I would imagine Papageorge was the number
20 one person responsible for sending this out. I
21 don't recall whether --

22 Q. My question wasn't who was the number one
23 person responsible, but whether you had played any
24 role in the drafting of the document.

25 MR. CHAMBERS: Just for clarification, you

1 mean Dr. Kelly personally I take it as opposed to
2 his department?

3 MR. BAKER: No, sir, I'm going to --

4 A. No, sir, I do not recall being personally
5 involved.

6 Q. (By Mr. Baker) Do you know whether anyone
7 in your department was involved in the drafting of
8 this document?

9 A. I don't recollect that. If it were anyone,
10 it would be Elmer Wheeler.

11 Q. Do you recall an ad hoc committee that was
12 formed in late '69 or sometime I believe in 1969 --

13 A. Yes, sir.

14 Q. -- concerning the PCB situation?

15 A. Yes, sir.

16 Q. Did your department have a representative
17 on that committee?

18 A. Yes, Wheeler was on that committee.

19 Q. Were you personally involved in drafting
20 any of the letters that followed the warning letters
21 or the letters containing information on PCB's that
22 followed this February 9, 1970, letter?

23 A. Are they here?

24 Q. We'll be getting to those.

25 A. I mean I can't say I'm involved unless I

1 see the letters.

2 Q. I was going to ask you first if you
3 recalled a series of letters that followed this
4 February 9, 1970, letter.

5 A. Well, I know there were some but I don't
6 know what they were or what the time frame was.

7 Q. Do you recall being involved in the
8 drafting of any of those letters?

9 A. I may have had some input if there were
10 toxicological statements in there, but without
11 seeing the letters, I can't tell you.

12 Q. But if you just said you knew you weren't,
13 I wouldn't have to go through this further, but I'll
14 go through each of them and we'll see.

15 A. I didn't follow the asides.

16 Q. That's fine.

17 MR. CHAMBERS: I think the point is, if you
18 don't know, if you'll say you don't know, that may
19 help everybody determine exactly where to go.

20 MR. BAKER: If you were certain that you
21 weren't involved in the drafting of those letters,
22 we would skip that and go on to the next topic, but
23 if you like, it's probably safer just to go through
24 each one of them.

25 MR. CHAMBERS: It may be.

1 Q. (By Mr. Baker) I'll show you what's marked
2 Plaintiff's Exhibit 21.

3 A. No, sir, I have no recollection of being
4 involved in this at all, in that letter, Plaintiff's
5 Exhibit 000021.

6 Q. I'll ask you the same question for
7 Plaintiff's Exhibit 22.

8 A. No, sir, I have no recollection of being
9 involved in Plaintiff's Exhibit 000022.

10 Q. I'll ask you the same question with regard
11 to Plaintiff's Exhibit 23.

12 A. No, sir, I have no recollection of being
13 involved at all with Plaintiff's Exhibit 000023.

14 Q. Same question with regard to Plaintiff's
15 Exhibit 24.

16 A. Same answer.

17 Q. We're getting a system here I believe.
18 Same question with regard to Plaintiff's Exhibit
19 25.

20 A. No, sir, I have no recollection of being
21 involved with Plaintiff's Exhibit 000025.

22 Q. And, finally, the same question with regard
23 to Plaintiff's Exhibit 26.

24 A. I have no recollection of being involved in
25 the formulation of the letter of August the 3rd

1 1973, Plaintiff's Exhibit 000026.

2 Q. Now, to perhaps close up one area of
3 questioning, I'll show you the document marked
4 Plaintiff's Exhibit 27. Previously we just looked
5 at Plaintiff's Exhibit 28, which was your letter to
6 Mr. Roberts at Kentucky Hydrocarbon, and I believe
7 that Plaintiff's Exhibit 27 may have been a writing
8 on a Monsanto form that would have caused you to
9 write that letter, but if you will just review this,
10 please, and tell us.

11 A. What is the question?

12 Q. Maybe I should ask you a question, first.
13 Have you seen this document before?

14 A. Yes, but I don't know when.

15 Q. Is this an internal Monsanto form?

16 A. Yes.

17 Q. And I can't read the top of it. I think it
18 says something, call report?

19 A. Salesman's call report.

20 Q. There's some handwritten language about the
21 middle of this page. Says, "Dr. Kelly, will you
22 please write letter as requested with copy to
23 Atlanta? Thank you." And I think it says H-A-L.
24 Is HAL Mr. Lovejoy?

25 A. Gosh, I don't -- I don't know who he is, or

1 was.

2 Q. You know what the "copy to Atlanta" means?

3 A. Must have been -- yes, down at the bottom
4 it says "District Office, Atlanta. Salesman,
5 Singleton."

6 Q. Do you know who the salesman,
7 Mr. Singleton, was? Do you know anything at all
8 about him?

9 A. Outside of the fact he was a salesman out
10 of the Atlanta office, I don't remember anything
11 about him.

12 Q. Okay. This morning we briefly touched on
13 the subject of the use of PCB's in adhesives. I'll
14 show you a document marked Plaintiff's Exhibit 70.

15 MR. CHAMBERS: Objection to the relevancy,
16 same as we raised earlier.

17 Q. (By Mr. Baker) I'll ask you to take just a
18 moment to review this.

19 A. I'll start off on the first page, sir.
20 We're not talking about PCB's here. This is a
21 chlorinated terphenyl.

22 Q. I'm sorry, let me rephrase the question
23 then.

24 Is this your signature on the second
25 page? Do you recall seeing this document?

1 A. Yes, I have.

2 Q. Were you responsible for preparing or
3 supervising the preparation of this?

4 A. Well, I was certainly responsible for
5 reviewing it before I signed it. My department did
6 not go through the mechanics of getting this
7 petition in shape.

8 Q. Is this an application to the FDA for
9 approval to use terphenyls in adhesives?

10 A. Yes, sir.

11 Q. In what manner were you proposing to use
12 these terphenyls?

13 A. Outside of there was going to be an
14 ingredient in the adhesive, I don't know anything
15 more about it.

16 Q. Do you know what types of adhesives?

17 A. Yes, for vinyl acetate and ethylene/vinyl
18 acetate, ethylene acetate and poly vinyl acetate.

19 Q. In what manner were you proposing to use
20 these adhesives?

21 A. I don't want to be facetious but I think
22 they put up both sides of the film stuck together,
23 but I don't know.

24 Q. Let me ask a simpler question then, if I
25 can. Do you plan to use this in packaging of foods?

1 A. Yes, sir.

2 Q. That's what I was trying to get at. What
3 are the similarities and differences between PCB's
4 and PCT's?

5 A. Well, similarities, they are both
6 hydrocarbons, they both possess a certain amount of
7 toxicity, not remarkable for an industrial
8 chemical.

9 The dissimilarities are that these are
10 much more viscous. Some of the terphenyls may even
11 be solid resins, the others may be tar-like
12 compounds. They are dissimilar because they have
13 different uses. They are not used in electrical
14 applications. The tonnage or the amount of pounds
15 we manufactured were much more for PCB's than for
16 polychlorinated terphenyls.

17 Q. I know you aren't a chemist but you're
18 probably closer to one than I am. Chemically, how
19 are they related, PCB's and PCT's?

20 A. Well, one is two benzene radicals, the
21 other one is three, and they are both chlorinated.

22 Q. When did Monsanto first begin producing
23 PCT's to your knowledge?

24 A. I don't recall.

25 Q. Would it have been long before 1966 or were

1 they relatively new in 1966?

2 A. I don't recall that either.

3 Q. Were you involved in any way in testing of
4 PCT's for potential human health and safety
5 problems?

6 A. With exception of the acute toxicity
7 package that I explained that we did on our
8 industrial chemicals, I do not -- at some time -- we
9 did not do any up to 1966, but at some time I think
10 subsequently, while this particular application was
11 on file at the FDA, we may have done some work on
12 it. I have some recollection of that and I'm sure
13 if you show me another one of these that are a later
14 one, that I'll be able to talk about it.

15 MR. CHAMBERS: For purposes of the record,
16 I just want to restate an ongoing objection to all
17 the questions on PCT's.

18 MR. BAKER: I will, for purposes of the
19 record also, in case this is raised before a judge,
20 we are asking a few questions about PCT's primarily
21 because in our last deposition Mr. Papageorge gave
22 us information that may indicate we may have bought
23 PCT's from Monsanto without knowing it and we're
24 investigating that. Now, so as long as we have
25 Dr. Kelly here I want to ask him a few questions

1 about PCT's in case you instruct him not to answer.

2 MR. CHAMBERS: No, I have no problem with
3 him answering, I just want to make sure our record
4 is clear on our objection.

5 A. Let me say, even on this we did a subacute
6 dermal toxicity of terphenyls. Was there a
7 question?

8 Q. (By Mr. Baker) No, I was waiting. You
9 seemed to be reading through there.

10 A. Yes, sir.

11 Q. Okay, to the best of your recollection,
12 prior to the filing of this petition in 1966 what
13 kinds of studies had you done, you personally or
14 Monsanto as a company, done to determine potential
15 safety and health problems with PCT's?

16 A. Well, in March of 1963 we ran subacute
17 dermal toxicity on the material of a 60 percent
18 terphenyl and we did our basic toxicity testing
19 showing that the oral LD₅₀ for rats was around 20
20 grams per kilogram, which is quite innocuous from an
21 oral point of view. We did skin sensitization to
22 see if it was a skin sensitizer. This was
23 incorporated in an -- that's what we did on them.

24 Q. Do you recall between 1966 and 1970 doing
25 any additional testing on PCT's?

1 A. Well, I don't know if this is the first one
2 or the second one. They bounced that first report.
3 We may have done some, I don't know, I don't recall,
4 but if it's there, it's in that general file.

5 Q. I don't have another such petition. Let me
6 show you a couple of other letters that may help you
7 decide whether this was the first or second.

8 But first, I'll show you what has been
9 marked as Plaintiff's Exhibit 98, December 1st 1966
10 letter which came about 12 days after the date of
11 this petition, and ask you if you can quickly review
12 that and identify it for the record, and then tell
13 us whether that helps you in any way to determine
14 which petition this was.

15 A. Well, this refers to Plaintiff's Exhibit
16 000070 in which the food and drug requested further
17 -- that they had reservations concerning the use of
18 this material, would be happy to discuss the
19 material in detail and see if there was any other
20 data that they might ask us about --

21 Q. And that is a letter to you from someone at
22 Food & Drug Administration?

23 A. Yes.

24 Q. I'll show what you appears to be a
25 follow-up letter from you back to Mr. Randolph at

1 the Food & Drug Administration. That is marked as
2 Plaintiff's Exhibit 99. I'll ask you to review it
3 and please identify it.

4 A. Well, yes, this is a letter that we sent to
5 him and saying we're withdrawing this petition.

6 Q. Now, does that help you determine in any
7 way whether this was your first or second petition?

8 A. I think it was the first. I'm not certain,
9 but my impression is that it was the first.

10 Q. Now, let me go back to what I was asking
11 before. Do you recall between the time this
12 petition was submitted in approximately October of
13 1966 and 1970 any additional testing that you or
14 anyone else at Monsanto did with regard to PCT's?

15 A. I don't recall, but we may have. I have
16 some sort of a recollection of seeing some
17 additional information in a subsequent petition but
18 I do not know the details.

19 Q. Other than testing that would have been
20 done with regard to this petition for use in
21 adhesives, do you recall any other testing done on
22 PCT's between 1966 and 1970?

23 A. No, sir, I do not recall.

24 Q. Now, from the end of 1966 through 1970 was
25 the period that Monsanto was investigating this

1 Jensen report from Sweden and some of the other
2 indications that PCB's were causing an environmental
3 problem; is that correct?

4 A. Yes, sir.

5 Q. Were you not also during that period
6 attempting to develop replacement products for
7 products such as Pydraul AC that had contained PCB's
8 and that you were not attempting to develop
9 replacements products that did not contain PCB's?

10 A. I can't answer that. I was not involved
11 with any replacement products.

12 Q. Was the Medical Department involved in any
13 way in testing for potential environmental harm or
14 potential health and safety risk of any replacements
15 products for Pydraul?

16 A. We were not -- that's two questions. We
17 were not involved in the environmental aspects of
18 any replacement products. We were involved in the
19 acute testing of possible replacement products. It
20 may very well be that some of the replacement
21 products had extensive toxicological information in
22 existence already. I'm not sure which replacement
23 products they were talking about, but some of the
24 phosphate ester had considerable toxicological
25 information.

1 Q. Let's talk about two particular replacement
2 products then. I will tell you that to the best of
3 my recollection Mr. Papageorge testified that
4 Pydraul AC was manufactured until sometime in 1970.

5 A. Was manufactured what?

6 Q. Pydraul AC, until sometime in 1970, and for
7 a period of about a year, and it may have been 18
8 months of a relatively brief period of 1970 into
9 1971 that a product called Pydraul AC A was
10 manufactured as a replacement for Pydraul AC and
11 that that product contained PCT's rather than
12 PCB's.

13 Then subsequent to 1971 or maybe
14 beginning in 1971 a newer product with phosphate
15 esters in it called Pydraul 90 E was produced and
16 marketed as a replacement for the Pydraul AC and
17 Pydraul AC A, and that Pydraul 90 E contained
18 neither PCB's or PCT's.

19 Now, assuming that's true, were you
20 involved or was your department involved in any way
21 in the testing of Pydraul AC A or in the testing of
22 Pydraul 90 E?

23 A. Let's take one at a time.

24 Q. Certainly.

25 A. Pydraul AC A, we did acute testing on it.

1 It was also my recollection that the material was
2 not PC -- that terphenyls were not found in the
3 environment. I have no recollection of the data on
4 that but it's my general impression that they were
5 not found in the environment, so we only did acute
6 testing on it.

7 Pydraul 90 E, I don't know what that
8 was. I got a bulletin on 90 E and I'll tell you
9 what they did on it, if anything. I don't know what
10 it is.

11 Q. I don't believe we have a bulletin on it.
12 I can tell you about all I know about is just what
13 came from Mr. Papageorge, which was that he
14 testified it was a replacement for AC A, contained
15 phosphate esters, did not contain PCT's or PCB's,
16 and it was marketed first about sometime in 1971.
17 And if that can't enhance your recollection, there's
18 nothing I can do to help you today.

19 A. That may be a me-too product that was
20 manufactured by other people for years and we just
21 started using it as a replacement. I don't know
22 anything more about it. In fact, I don't know if it
23 was a me-too product, "me-too" meaning it was
24 somebody else's product and we decided to make it
25 also.

1 Q. You did testify I believe that you had,
2 "you," I mean collectively, your department, had
3 done some testing of phosphate esters.

4 A. Yes, sir.

5 Q. When did that begin?

6 A. Beg your pardon?

7 Q. Approximately when did that begin?

8 A. I don't remember. We tested some for
9 plasticizer and I don't know how long ago that was.

10 Q. Do you remember ever testing phosphate
11 esters for use in lubricants?

12 A. No, sir, because I'd have to know which
13 phosphate ester we're talking about. There may be
14 toxicological information that was developed for
15 other uses of it. I don't know which phosphate
16 ester we're talking about.

17 Q. I can't help you any more with that now.
18 We may have to come back at some point, but I'll try
19 to avoid that if possible.

20 A. I'm available.

21 Q. I understand. On the subject of PCT's, you
22 stated that as you recalled, there were not any
23 PCT's found in the environment.

24 A. That is my impression.

25 Q. Is that your impression in the 1960's or

1 early '70's or is that your impression as of today?

2 A. Well, it was my impression sometime between
3 the 60's and today, but I don't know when I gathered
4 that impression.

5 Q. Do you know why Monsanto ceased producing
6 Pydraul with PCT's in it?

7 A. No, sir, I don't.

8 Q. Do you know what kind of information that
9 Monsanto had concerning potential health and safety
10 issues with regard to PCT's or environmental damage
11 with PCT's?

12 MR. CHAMBERS: Let me object to the form.
13 May be easier to just take them one at a time.

14 A. I'll take the health one. Monsanto had
15 negative information that there were no reports of
16 any illnesses in the workers or users of PCT, but I
17 do not know how large a market that was. We
18 certainly didn't have any in our own workers. We
19 had the basic toxicological acute data on it, on the
20 material, we had that data. As far as the
21 environmental aspects, I don't know anything about
22 it.

23 Q. (By Mr. Baker) Does Monsanto produce PCT's
24 at all today?

25 A. I don't know. I've been gone 18 years. I

1 didn't even know they were producing it in 1974 when
2 I left.

3 MR. BAKER: Probably some of these
4 questions should wait until Thursday.

5 MR. CHAMBERS: That's probably true.

6 MR. BAKER: I don't mean to put you on the
7 spot. It's a new area that's just come up we didn't
8 realize was an issue until just recently.

9 THE WITNESS: I've never heard about PCT's.

10 Q. (By Mr. Baker) On the question of the 90 E
11 and the phosphate ester, from Mr. Papageorge's
12 deposition, and I'm not sure I can pronounce this
13 correctly, but he said he thought the ingredient was
14 a phosphate, tricresyl phosphate. Does that in any
15 way assist you?

16 A. Could be, yes, sir, it could be. Tricresyl
17 phosphate has a subtoxicity of its own. It's been
18 used as a gasoline additive. It is certainly not
19 intended to be taken orally. That's about all I
20 could tell you.

21 Q. Do you recall, first, whether that would
22 have been a me-too kind of product?

23 A. It was an old time product, yes, it was an
24 old time product.

25 Q. Do you know whether Monsanto, under your

1 direction, did any testing of this product?

2 A. I'm sure we did our basic acute toxicity
3 package, but as far as I recall, I do not recall any
4 further testing.

5 Q. In response to questions about several
6 different substances, you've talked about your basic
7 package of testing. Can you tell us -- and if you
8 answered this this morning, I apologize for asking
9 again, but can you tell us precisely what was
10 involved in that basic package of testing and how
11 long it took on a product?

12 A. How long it took was about three days,
13 probably a week, before we got the report back. It
14 involved in testing whether it was an eye irritant
15 or damage to the eye. We determined whether it
16 caused local action on the skin, whether it could be
17 absorbed through the skin and cause systemic
18 action.

19 We fed the material to develop LD₅₀,
20 which was the benchmark for oral toxicity, and if it
21 were a liquid we blew air through it to see what
22 vapors could be picked up by -- what breathing air
23 saturated with the material would cause to an animal
24 over an 8-hour period.

25 Q. And that took about three days of testing?

1 A. Yeah. We observed the animals for some
2 time.

3 Q. Show you a document marked Plaintiff's
4 Exhibit 76 and ask you to review this and tell us if
5 you've seen it before.

6 MR. BEAL: Once again, that is the way that
7 we received the documents. That's how they were
8 attached.

9 MR. CHAMBERS: That's fine.

10 A. Yes, sir, I've seen it.

11 MR. CHAMBERS: I want to take a couple
12 minutes and look at the other pages too.

13 (A brief recess was taken.)

14 Q. (By Mr. Baker) Dr. Kelly, have you
15 reviewed all the documents that are stapled together
16 here labeled as Plaintiff's Exhibit No. 76?

17 A. Yes, I have.

18 Q. Let's start with the first page. Have you
19 seen this before?

20 A. Yes, sir.

21 Q. It has been represented to us as being a
22 page out of The New Scientist of December 1966.
23 Does that appear to you to be accurate?

24 A. What was the last statement?

25 Q. Does it appear to you that that is correct?

1 A. The date is correct, yes, sir. I mean it's
2 written in there. I presume it is correct, I don't
3 know. I presume it is.

4 Q. When you first saw it was it an article in
5 The New Scientist?

6 A. No, sir, it must have been something like
7 this.

8 MR. CHAMBERS: When he says "like this,"
9 just let the record show he's referring to some of
10 the later pages in the exhibit.

11 THE WITNESS: To Exhibit No. 0076.

12 MR. CHAMBERS: Thank you.

13 Q. (By Mr. Baker) So you did not see it as a
14 page in The New Scientist; you saw it as a page that
15 had been copied from something for your review?

16 A. Yes, sir, and I don't know who wrote it, I
17 mean what the expertise of the man who wrote it was.

18 Q. If you'll turn to the next page, appears to
19 be a letter from a Mr. Wood to a George, perhaps
20 Mr. Buchanan?

21 A. Yes, sir.

22 Q. From Brussels to St. Louis. Are you
23 familiar with this document?

24 A. Well, not too familiar. I think I have
25 seen it, but, first, this has nothing to do with,

1 first, this exhibit. I mean this letter was written
2 December the 1st and presumably The New Scientist
3 thing was December 15th.

4 Q. I don't want to argue with you because I
5 don't know if there's any connection at all.
6 Although I would note or ask you if you would agree
7 that often magazines are published well before the
8 date on the magazine? I get Sports Illustrated
9 sometimes three or four weeks before it comes out,
10 but I don't know that that one came before the other
11 or not.

12 But I'll just ask you, on this letter
13 dated December 1st 1966, you have seen that before,
14 have you not?

15 A. Yes, sir.

16 Q. Would it have been approximately the first
17 part of December 1966 when you first saw this?

18 A. Yes, sir.

19 Q. Prior to your seeing a copy of this letter
20 from Mr. Wood to Mr. Buchanan, had you had any
21 communications concerning the possible buildup of
22 PCB's in birds or other creatures?

23 A. No, sir, I do not believe so.

24 MR. CHAMBERS: Let me object to the form as
25 well, to the extent that I don't think any of this

1 talks about buildup in birds, although it does talk
2 about PCB's showing up in birds in Sweden.

3 Q. (By Mr. Baker) But when you testified
4 earlier today that your first information concerning
5 the Jensen study in Sweden came from a communication
6 from someone in Brussels that was passed on to you,
7 is this what you were referring to?

8 A. I don't think so. I think there was a
9 newspaper article that I saw. I don't know when
10 those crossed my desk.

11 Q. I'll ask you then to turn to the third page
12 of this, which appears to be a three-page letter to
13 Mr. Wood from, I believe, Henry Strand, and I will
14 ask you, first, if you have previously seen this
15 document?

16 A. I have seen it. I do not recall the first
17 time I've seen it.

18 Q. This appears to be a November 28, 1966,
19 letter and it refers to newspaper articles in
20 Sweden. Would these perhaps be the articles that
21 you have mentioned?

22 A. Well, this is something to do with the
23 confusion I mentioned because he's speaking about
24 polychlorinated bi-phenols, which is an entirely
25 different horse than polychlorinated biphenyls, so

1 whether that's a typographical error, whether the
2 Swedes -- something got lost or picked up in the
3 translation, I don't know, but there are some of the
4 statements in here that I don't know where he picked
5 these out of the air. They are said to be related
6 to DDT and equally poisonous. Well, I don't agree
7 with that at all.

8 Q. I'm not asking you to agree with anything
9 in here. I'm asking if perhaps this was the notice
10 you referred to in your earlier testimony concerning
11 the Jensen study.

12 A. No, sir, because the first ones I saw were
13 newspaper articles that concerned just being in the
14 environment rather than in the human body, the hair,
15 or the bodies of fish, so this is a somewhat later
16 one that I've seen.

17 Q. So if you saw this in late 1966, then
18 sometime prior to this you would have had your first
19 notice?

20 A. Newspaper work, yes.

21 Q. Prior to this time you and the other people
22 at Monsanto knew that PCB's did not readily
23 biodegrade; is that a fair statement?

24 A. Yes, sir. Which time are you saying?

25 Q. Prior to 1966.

1 A. Yes, sir.

2 Q. How early did you know that? As early as
3 the 1930's?

4 A. I don't know. I don't -- I don't know how
5 you prove a negative. I mean, I don't think we went
6 around looking to see if there -- we found PCB's --
7 well, I don't know, I just don't know how we found
8 that out. But it was our impression, due to the
9 lack of solubility of water, the lack of response to
10 alkali treatment and the nonreactivity of the
11 product that we thought it would not biodegrade.

12 Q. And you also knew in the 1930's that
13 exposure to a certain level of PCB's caused liver
14 damage in humans, did you not?

15 A. Yes, sir.

16 Q. Did you consider at any --

17 A. May I repeat on that last statement?

18 Q. Certainly.

19 A. We knew that some -- we didn't know that
20 PCB's caused liver damage in humans in the 1930's.
21 We knew that chlorinated biphenyl benzene, when
22 associated with chlorinated naphthalene, caused
23 liver damage in workers. There was no PCB's at this
24 particular time that we believed were even used in
25 conjunction with chlorinated naphthalenes. It was a

1 chlorinated biphenyl benzene, so the answer to that
2 other one was no instead of yes.

3 Q. Let me rephrase it then.

4 At what point did you learn that
5 exposure to PCB's could cause liver damage in
6 humans?

7 A. Well, I cannot put a first date on it. We
8 knew when we had a product that could cause liver
9 damage by inhalation in humans and animals that it
10 could cause, by inference, if exposure were
11 sufficient, liver damage in people. We knew that
12 from the acute point of view and the episodes that I
13 mentioned this morning that it did cause liver
14 damage in these two acute exposure cases.

15 Q. And that was --

16 A. Sometime in the '50's.

17 Q. Sometime in the '50's?

18 A. Right.

19 Q. And I believe your testimony was that when
20 you were told that these workers in Indiana had been
21 exposed and that they had jaundice, then you weren't
22 surprised because you knew that the affected organ
23 would be the liver; is that correct?

24 A. Well, I knew -- I wasn't surprised because
25 I knew there was a possibility of getting enough

1 exposure from breathing hot materials in a confined
2 space.

3 Q. So if you didn't know by the 1930's that
4 exposure to PCB's could cause liver damage in
5 humans, at least by the 1950's you knew it had
6 happened in the case in Indiana and you weren't
7 surprised by it; is that a fair statement?

8 A. That's correct.

9 Q. Considering that by the 1950's you knew
10 that there was a potential for causing liver damage
11 in humans and you believed that PCB's did not easily
12 biodegrade, did you not at any point prior to 1966
13 consider doing any studies to determine whether
14 these PCB's were out in the environment where they
15 could cause damage?

16 MR. CHAMBERS: Just object to the form, and
17 partly because I got lost in that question. We can
18 either read it back or if you want to rephrase it,
19 either one, I don't mind.

20 Q. (By Mr. Baker) Do you understand the
21 question?

22 A. Well, he's got two premises there and I'll
23 have to take them one at a time. These have nothing
24 to do with each other.

25 You talk about PCB's being out in the

1 environment and the possibility that that would
2 cause harm or liver damage, and you are also tying
3 that in with acute episodes of inhaling the material
4 at elevated temperatures in high exposures. There's
5 no relevancy between those two types of situation.

6 Now what was the question?

7 Q. (By Mr. Baker) My question was: Did you
8 not at any point before 1966 consider the need to do
9 a study to determine whether there was potential
10 harm from having these PCB's in the environment?

11 MR. CHAMBERS: I'll object because he's
12 talked about studies that -- are you referring like
13 to the chronic type of feeding studies? Because
14 he's already testified about a number of studies
15 that were done on toxicity and PCB's.

16 Q. (By Mr. Baker) Do you understand the
17 question?

18 A. Frankly, no.

19 Q. Then I'll be happy to repeat. Your
20 testimony is, you've testified concerning toxicity
21 studies of PCB's. You testified that by the late
22 '60's you had begun a two-year study and that you
23 did no chronic testing before that, and I believe
24 that's a fair summary; is it not?

25 A. Yes, sir.

1 Q. And you testified in late '66 you
2 discovered or were told that PCB's were found in
3 birds?

4 A. Yes, sir.

5 Q. And shortly thereafter you heard about
6 PCB's being found in babies' hair and in other
7 things.

8 Didn't you consider at any point prior
9 to 1966 that you should do any kind of additional
10 testing to determine whether these PCB's were in the
11 environment in a situation where they could cause
12 damage?

13 A. We didn't really have the -- the answer is
14 no, we did not have the advantage of hindsight. We
15 could not foretell and did not even assume that
16 PCB's which we thought were nonreactive and would
17 not be broken down in either landfills or in bodies
18 of water would cause any harm, so there was no
19 necessity for doing any testing. When we found out
20 that those conclusions were wrong and the material
21 was present in food that people were eating, were
22 liable to eat, then we started our testing.

23 Q. Did you at any point before 1966 consider
24 the possibility that these materials could break
25 down and escape the pebbles on the beach?

1 A. No, sir, we did not.

2 Q. Did you do any testing to determine under
3 what circumstances the PCB's would break down?

4 A. Not that I have a recollection of. We may
5 have but I did not know about it. I do not recall
6 it.

7 Q. I'm going to change the subject now.

8 A. To what?

9 Q. To Plaintiff's Exhibit 000088, and ask you
10 to look at this and tell me if you have seen this
11 before.

12 A. No, sir, I don't recollect having seen this
13 at any time.

14 Q. Who was Mr. Stark, do you know?

15 A. No, sir, I don't.

16 Q. And you've already identified Mr. Wheeler.
17 I assume this is the same Mr. Wheeler we talked
18 about earlier?

19 A. Yes, the same one.

20 Q. Do you know if there was --

21 A. I'll tell you who I know. I know W. A.
22 Hunt. He was a toxicologist in the Medical
23 Department. George Levinskas was also a
24 toxicologist in the Medical Department. W. R.
25 Richard was the research man who was involved in the

1 PCB situation. Scott Tucker, E. S. Tucker, was the
2 analytical expert in the same matter.

3 Q. This document is dated February 7, 1972,
4 and it says the subject is New Pydraul Blends,
5 Medical Approval.

6 To your knowledge, in 1972 was there a
7 standard process within Monsanto to obtain medical
8 approval for new products?

9 A. Yes, sir, there was.

10 Q. What was involved in that process?

11 A. We had what we called our 201 form,
12 EC-201. I forgot what the "EC" meant, but they said
13 what it was, what they knew about it, where it was
14 going to be used, any other manufacturers, and as
15 you see, these included all our Santicizers; 140,
16 141, 148 and 711. Of those, we had an awful lot of
17 information on them, so I would imagine that Wheeler
18 bucked this to Levinskas until he answered it. I
19 don't recall seeing it.

20 Q. What was the form you said?

21 A. He mentions it in the second paragraph,
22 EC-201.

23 Q. Now, was that a form that was required for
24 all new products?

25 A. Required.

1 Q. For all new products by Monsanto?

2 A. Yes, sir, even in the development stage,
3 yes.

4 Q. When did Monsanto first start requiring the
5 EC-201 form?

6 A. I think sometime after Levinskas came he
7 added new strength to our Toxicology Department and
8 my recollection is that it was sometime in '71, but
9 I'm not sure when. It wasn't long before this
10 memorandum was written, 12 months or so, because I
11 don't think Levinskas was there in 1970. He may
12 have been there in '71.

13 Q. Prior to Mr. Levinskas, prior to his
14 arrival, what kind of medical approval was required
15 for new products?

16 A. Well, it was a sort of -- it wasn't
17 formalized in a form but we had to give approval
18 before anything was advertised in a development
19 bulletin we had toxicity information on. If the
20 second sample was sent out to a customer, we would
21 have to have toxicity information on it. If there
22 were any reports of ill effects in any stages of
23 research or pilot plant operation, we would have to
24 have information on it.

25 You realize there are about 10,000

1 products come off a bunch of chemists' benches and
2 only a few of them reach those states that I'm
3 talking about.

4 Q. Assuming that the accuracy of
5 Mr. Papageorge's statement concerning the timing of
6 Pydraul AC, AC A and 90 E said that 90 E was
7 introduced sometime in '71 or '72 the best I can
8 recall, that AC A was a rather short-term substitute
9 for Pydraul AC, first introduced sometime in 1970,
10 and that Pydraul AC was introduced in the '50's; can
11 you tell us what kind of medical approval would have
12 been required by Monsanto prior to marketing the
13 product for Pydraul 90 E in '71 or '72, for
14 Pydraul AC A in 1970 and for Pydraul AC in the
15 mid-'50's?

16 A. Would you take these one at a time?

17 Q. I'd love to.

18 MR. CHAMBERS: Yeah, I think he's just
19 trying to help get it all out, but maybe if we break
20 this down it would go easier.

21 A. Let's take them one at a time.

22 Q. Would you like to start in the '50's or the
23 '70's?

24 A. '50's.

25 Q. Okay, Pydraul AC, I believe it was first

1 marketed in the mid '50's.

2 A. The Medical Department would have to know
3 the use of a material and what was in it. We would
4 have to then decide whether we had enough
5 toxicological information to satisfy our warning
6 labels and satisfy our bulletins so that the people
7 could use it without ill effect. Now, in that
8 compound in the '50's we only needed our basic
9 toxicological package of eye, skin, inhalation and
10 oral dose.

11 Q. How did that change by 1970 when
12 Pydraul AC A apparently was first marketed?

13 A. I don't believe that we changed it in any
14 way by the time because -- no, the answer is no, we
15 didn't change it.

16 Q. Then in 1971 or '72 when Pydraul 90 E would
17 have first been marketed, how had it changed?

18 A. If we had 201, EC-201, in existence at that
19 time we would have that form from the people that
20 manufactured it. And, again, there was information
21 in the literature about the tricresyl phosphates
22 that we had enough information on that from the
23 literature, we didn't have to run tests ourselves.
24 We probably did the basic package to be sure we're
25 in the same ballpark as the published literature.

1 Q. What kind of information would you have
2 required in 1971 or '72 that wasn't required earlier
3 prior to giving medical approval for a new product?

4 A. Well, that varied a great deal with the
5 product. I think we were paying a great deal more
6 attention in '71 or '72 to the biodegradability of
7 products. I would say that would be the only major
8 change that we might have made.

9 Q. Okay, for a product first marketed in 1972,
10 what kind of information would you have assisted on
11 concerning the biodegradability?

12 A. Well, my only -- we wouldn't run the
13 biodegradability tests ourselves. We would have to
14 know how the material is going to be used, was it
15 going to be used up in the process or was there
16 going to be effluents there so it wasn't present in
17 the effluents? And we would want to know whether
18 that was broken down or not by the various areas it
19 went into, so I would say that degradation,
20 biodegradation testing, would be carried out by the
21 manufacturing group or the research group rather
22 than the Medical Department. We did not do that.

23 Q. But did you require or ask them to provide
24 you the information?

25 A. To the best of my knowledge, yes, but I'm

1 not certain about that. I think we did. I'm not
2 certain.

3 Q. What information would you have been
4 interested in in 1972 concerning biodegradability?

5 A. Well, does it biodegrade?

6 Q. Okay, well, if it does, how does that
7 effect your medical approval? Don't you need to
8 know more information than just does it biodegrade?

9 A. Well, no. If it biodegrades you really
10 don't have that product any more, it may be broken
11 down to CO₂ and water or some innocuous compound.
12 We would probably want to know what it biodegrades
13 to, if you have the analytical method to find out.

14 But, remember, the analytical
15 expertise was sort of a moving target in those
16 days. You went from looking at parts per million to
17 parts per billion and parts per trillion, and we did
18 not have the expertise of looking for parts per
19 billions and trillions in the '60's and '70's. I
20 don't know when that expertise was developed.

21 Q. Okay, and what if it does not biodegrade?
22 What does that tell you about whether you should
23 give your medical approval?

24 A. If it doesn't biodegrade and it's not
25 soluble in water and it does not react to other

1 chemicals such as alkalis and acids, you believe it
2 would be safe to be discarded in approved methods
3 which were in existence at that time in accord with
4 state and federal regulations.

5 Q. I'm going to give you a hypothetical and
6 wait for the objection. If we could wipe out a few
7 years of history and I came to you with a product
8 today and said this is PCB and it's wonderful, it
9 does all these things that need to be done, we want
10 to sell it, what would you ask me about the
11 biodegradability of that product before you decided
12 whether to give your medical approval?

13 THE WITNESS: I will interpose the
14 objection for the record.

15 Go ahead and answer, if you can,
16 Dr. Kelly.

17 A. I don't think -- I'd have to be assuming
18 something. I don't think I can answer a
19 hypothetical like that because I'd have to know a
20 lot of things.

21 What's the state of the art at
22 present? We're talking now about the state of
23 thinking of a populace at large in 1992 versus 1968
24 or '70 when we had -- so there are an awful lot of
25 conditions that make it honestly impossible for me

1 to answer that statement.

2 Q. (By Mr. Baker) If you had the benefit of
3 hindsight would you have stopped the production of
4 PCB's prior to 1966?

5 MR. CHAMBERS: Object to that question on
6 the same basis.

7 A. No, we wouldn't have. We would have been
8 much more serious about cutting down the outflow of
9 the PCB residue into the environment. There were
10 some operations that you had to weigh the benefits
11 and the possible ill effects. After all, here we
12 have compounds that prevented enormous fires. The
13 PCB's and hydraulic fluids got their biggest impetus
14 when the GE plant, General Motors plant, burned with
15 \$74 million damage and I don't know how many
16 fatalities because they did not have a
17 fire-resistant diecasting fluid.

18 We know that the electrical operations
19 could not continue in the United States. There was
20 no substitute for PCB's for a flame-retardant
21 transformer. We wouldn't have stopped it.

22 Q. (By Mr. Baker) If federal and state
23 governments allowed you to, would you recommend
24 resuming manufacture of PCB's today?

25 MR. CHAMBERS: Object to that question as

1 well.

2 A. No, because we have substitutes now, they
3 are not quite as good. The transformers are bulky,
4 they are not quite as fire-resistant, but there are
5 substitutes. But we didn't have substitutes in the
6 '60's, '70's, '75, we did not have substitutes for
7 dielectrics that were flame-resistant. And when I
8 say "we," it doesn't mean Monsanto products. The
9 industry had substitutes.

10 Q. (By Mr. Baker) Let me ask you one more
11 hypothetical, and for my own personal information I
12 want to know the answer to this.

13 If you were given the authority to
14 decide whether to clean up areas where PCB's are
15 found now or just to leave them alone, would you
16 recommend or require the spending of the resource
17 necessary to clean them up or would you just leave
18 them alone or would you clean up in some
19 circumstances and not in others? How would you
20 decide that?

21 MR. CHAMBERS: I'd just object and just
22 raise the point that the response to that question
23 may depend on what the circumstances are, but
24 subject to that, Dr. Kelly can sure answer, if he's
25 able.

1 A. I can't answer that because I don't know.
2 I don't believe the government can answer that
3 because they spent \$300 million trying to clean up
4 Times Beach here and after about eight years they
5 said, Whoops, we really didn't need to do this at
6 all, so if these experts can't make their mind up, I
7 sure can't. So I can't answer your question. And
8 they cleaned up what's not PCB's, by the way, at
9 Times Beach.

10 MR. BAKER: I expected you to jump on that
11 question.

12 MR. CHAMBERS: Well, but that's fine. I
13 mean I have no problem with your exploring further
14 if you feel the need to, but --

15 Q. (By Mr. Baker) I assume you have little or
16 no personal knowledge concerning the situation at
17 the Kentucky Hydrocarbon Plant; is that a fair
18 assumption?

19 A. Not at all, no.

20 Q. I'll proceed. Show you a document that is
21 marked as Plaintiff's Exhibit 92. Appears to be a
22 letter from you in February 1968 to a
23 Mr. Blickenstaff. Ask you to look at this and see
24 if you can identify it.

25 A. Yes, sir. I can identify it, yes.

1 Q. What led you to write this letter?

2 A. Well, it appears he must have written me
3 asking for a technical bulletin and he wanted
4 samples of these materials, so I wrote back and
5 said, "Why are you interested?"

6 Q. Were Aroclors used at all then in
7 insecticides, fungicides, et cetera?

8 A. Well, this is an involved story on that. I
9 do not know if they were in -- they were recommended
10 first by the Department of Agriculture. That came
11 out in one of the technical bulletins saying they
12 are an extender of Lindane, an extender of -- I
13 don't know, DDT and something else. As far as we're
14 concerned, it did not appear to be a big item to us
15 and I don't know when these things came out. That
16 recommendation was something in 1966. I think there
17 was a slight small mention of this in one of our
18 application bulletins, but to the best of my
19 knowledge it was never widely used. That's about
20 all I know about it.

21 Q. There's a reference at the top of this page
22 to C. Paton is the way --

23 A. C. Paton.

24 Q. C. Paton. I assume that is Cumming Paton?

25 A. Cumming Paton.

1 Q. What was his relationship to this
2 particular issue?

3 A. Well, that issue would be the Aroclors.
4 I think he was in the Functional Fluids Department
5 or the PCB Department and the marketing, and I -- I
6 guess I said it to him, maybe Blickenstaff wrote to
7 Paton. I mean I got -- I don't recall Blickenstaff
8 writing to me, I don't know. Bill Richards was the
9 research man.

10 Q. I'll show you a subsequent letter from you
11 to Mr. Blickenstaff. It's dated March 12th 1968.

12 MR. CHAMBERS: This is Plaintiff's Exhibit
13 93.

14 Q. (By Mr. Baker) Plaintiff's Exhibit 93.
15 Ask you if you can identify that.

16 A. Yes, sir. I wrote it, yes, a letter from
17 me to the Department of Agriculture.

18 Q. I don't have any further questions about
19 that.

20 Then in 1970 apparently you sent a
21 letter to Mr. Blumenthal. This is marked as
22 Plaintiff's Exhibit 95. I'll ask you if you can
23 identify this document.

24 A. Yes, sir, I wrote this to Dr. Blumenthal of
25 the Food & Drug Administration.

1 Q. What, to the best of your knowledge, caused
2 you to write this letter at this time?

3 A. Well, I don't know if in April 1970 we had
4 said we were going to go out of the business of --
5 where they could get into the environment. I was a
6 good friend of Herb's and I thought I'd tell him
7 before he read it in the newspaper.

8 As far as the second paragraph was
9 concerned, well, that was just of interest. I said
10 we're trying to get substitutes but there's already
11 two users who have switched to substitutes from
12 other companies. I don't know what this business of
13 any widespread announcement of what -- I am not sure
14 what I was talking about at that time.

15 Q. That's what I was going to ask you about
16 next. In the second paragraph you refer to the two
17 large users switching to other companies. It
18 doesn't say other companies, but that was what you
19 meant; they switched to other companies, wasn't it?

20 A. Yes.

21 Q. Then you say, "Obviously, Herb, you can
22 recognize the competitive aspects of developing and
23 phasing into sales such type of substitutes, so at
24 present we're not making any widespread
25 announcement. On the other hand, if the publicity

1 gets as red hot as it did in the case of DDT, we may
2 be forced to make such an announcement at any
3 time."

4 A. Yes, sir.

5 Q. Now, did that not indicate that you were
6 not making a public announcement about looking for
7 substitute products or ceasing the production of
8 PCB's?

9 A. No, I don't think so, because I think we
10 certainly were -- you got another two questions in
11 there.

12 Q. I have a habit of doing that. You have to
13 watch it.

14 A. I will.

15 Q. I believe you.

16 A. What were those two questions?

17 Q. I forgot.

18 MR. CHAMBERS: We can read it back if that
19 will help.

20 A. Well, I said to Herb we were going to make
21 an announcement, I thought. In essence, I will let
22 you know before you read it in the paper, so we
23 weren't going to make the announcement.

24 (The pending question was read by the
25 reporter as above recorded.)

1 A. Well, on that, we didn't have one, so I
2 believe that they -- I think the marketing people
3 felt there's no sense making an announcement saying
4 we're looking for one, for a substitute, we're
5 getting out of this. Hold your breath until we come
6 out with a substitute. I don't think that -- I
7 don't know what that announcement would do.

8 Q. (By Mr. Baker) Your concern was that you
9 didn't want your customers to switch over to some
10 other product?

11 A. Oh, no, that wasn't at all, because I was
12 telling him we're not going to manufacture for those
13 uses. How are they going to -- they've got to
14 switch, be no more stuff coming from us.

15 Q. But you didn't want -- well, it says what
16 it says, but you said you were not going to make a
17 widespread announcement. Can you tell us what
18 announcement you were not going to make?

19 A. To the best of my recollection we did not
20 want to make a statement saying we are looking for a
21 substitute for these products which we are going to
22 discontinue. I mean, that is the best of my
23 recollection on it. We were certainly announcing to
24 everybody we were getting out. I don't know what
25 time it was in relationship to April the 8th, but at

1 that particular time we were telling people we were
2 getting out of the plasticizer business, we were
3 getting out of the carbonless carbon paper business,
4 we were getting out of the paint business and any
5 number of open operations. We were certainly going
6 to make that and we weren't hiding that from
7 anybody.

8 Q. But you did not want to make this
9 widespread announcement because you were afraid you
10 would lose customers; isn't that the whole gist of
11 this paragraph?

12 A. Which is the widespread announcement I just
13 said I was going to make.

14 Q. The ones you said you were not making.
15 Listen to this and see if you can give me some other
16 interpretation.

17 "Obviously, Herb, you can recognize
18 the competitive aspects of developing and phasing
19 into sales such type of substitutes, so at present
20 we are not making any widespread announcements."

21 Now, that widespread announcement,
22 whatever it was, related to the competitive aspects
23 in your previous phrase?

24 A. Sure, but we didn't have a substitute, so
25 we were going to make an announcement saying we're

1 looking for a substitute when we don't have it?

2 Q. Okay now, in the next sentence then you
3 say, "On the other hand, if the publicity gets as
4 red hot as it did in the case of DDT, we may be
5 forced to make such announcement at any time."

6 Now, what announcement is that
7 referring to?

8 A. I don't know what I was saying back in 1970
9 about that.

10 Q. That's fair enough.

11 Show you a document marked Plaintiff's
12 Exhibit 96. This appears to be a July 1970 letter
13 from you to a Mr. Cueto.

14 A. I never met him. I just know him as a name
15 on this.

16 Q. Can you first review this and identify it
17 for us?

18 A. Beg your pardon?

19 Q. Review this and please identify the letter
20 for us.

21 A. Yes, sir, this is a letter from me to a
22 gentleman that is in the Safety Evaluation Staff
23 with the Department of Agriculture, Pesticides
24 Regulation Division, dated July 24th 1970.

25 Q. Do you know what caused you to send this

1 letter at this time?

2 A. Well, he must have written me a letter
3 because I start off saying, "In reply to your recent
4 letter concerning our recommendation that the use of
5 polychlorinated biphenyls in pesticide formulations
6 be discontinued." So he must have written a letter
7 saying, Why did you do this, to us, because the
8 Department of Agriculture were the people
9 responsible for fostering the use of it in pesticide
10 formulations.

11 Q. In the last paragraph you refer to
12 chloracne in workers or users and say there were two
13 reports in the last 15 years.

14 Are these cases you've discussed
15 already today or were they additional cases?

16 A. No, they are the ones -- well, the only one
17 I discussed today was the one by Meigs, and I think
18 there was an European report that I saw.

19 Q. So you believe the second chloracne report
20 was from literature from Europe?

21 A. A capacitator factory in England.

22 Q. We don't have his letter, or I don't
23 believe we have it, and you don't certainly have it
24 in front of you. Can you give us a reason that
25 there is no mention of possible liver damage in

1 this?

2 A. Well, no. The reason we wanted this thing
3 discontinued is we didn't believe you ought to be
4 throwing the material out in the atmosphere, out in
5 the environment. And at that particular time
6 everybody was saying you don't want it out in the
7 environment, and we agreed with them, so he wrote
8 these people and said, Look, stop beating the drum
9 for putting this thing out in the environment.

10 Q. Let me try to rephrase the question then.
11 Your last paragraph I believe was meant to assure
12 him that you didn't see any human toxicity problems?

13 A. That's very true.

14 Q. And you refer to chloracne but you did not
15 refer to any of the instances of liver damage.

16 Do you now recall a reason for not
17 doing that?

18 A. Here we have two acute episodes involving
19 misuse of the product; that it's been used for 40
20 years in hundreds of millions of pounds that has
21 really no relationship to any toxicity that might
22 result from disbursing of this in the environment.

23 The whole problem with PCB's in the
24 environment with the other agencies of the
25 government outside of the Department of Agriculture

1 was the effect on wildlife. They didn't like it in
2 the environment, we didn't like it in the
3 environment. The Department of Agriculture seemed
4 to take an opposite point of view. This is our
5 baby. We discovered the fact if you put this in
6 Lindane it will kill 40 percent more cockroaches
7 than without it, something like that, so I said
8 we're stopping it, and they asked me why and I told
9 them.

10 Q. My only question is, and it probably isn't
11 a very important one, but do you recall why you
12 mentioned chloracne? Because you've testified you
13 had only one report of chloracne, then you read
14 about another one in a journal, but you didn't
15 mention liver damage.

16 A. He may have mentioned chloracne in his
17 letter.

18 Q. I want to show you a document that appears
19 to be a March 1971 letter from you to a Mr. Derr.
20 It's marked as Plaintiff's Exhibit 97. And I'll ask
21 you to review this and please identify it for us.

22 A. Yes, sir. I read it.

23 Q. Did you prepare this letter?

24 A. Beg your pardon?

25 Q. Did you prepare this letter?

1 A. Yes, I did.

2 Q. And this was in response to a request from
3 Mr. Engstrom I believe?

4 A. Yes, sir.

5 Q. What was Aroclor 5460?

6 A. That's chlorinated biphenyl benzene. It is
7 not a PCB, it's a solid.

8 Q. I'm glad to hear that. I didn't realize
9 that was not a PCB, so I don't think I have anything
10 else to ask you about that one.

11 I've asked a number of questions today
12 about potential liver damage and why certain letters
13 may or may not have referred to it and all that.
14 One topic we haven't discussed at all yet is the
15 potential for causing cancer, and I have just a few
16 questions as we're trying to conclude today.

17 MR. CHAMBERS: Let me go ahead and
18 interpose an objection just for the record on a line
19 of questioning relating to this subject since this
20 isn't a personal injury case or there's no claim of
21 cancer involved, but subject to that, he's certainly
22 welcome to answer your questions.

23 Q. (By Mr. Baker) Let me try to start at the
24 end and work backwards here, Dr. Kelly.

25 Do you believe that exposure to PCB's

1 can cause cancer in humans?

2 A. There's a possibility that 1260 might.
3 It's my belief that the other ones would not, and I
4 base that on 40 years of experience with workers who
5 have been exposed to PCB's, of reports of the
6 negative information in the literature, the negative
7 information from customers, and the fact that the
8 National Cancer Institute ran a two-year test on
9 1254 and stated it was not a carcinogenic agent in
10 rats.

11 Q. First, just from your experience in the
12 literature, why would you say that 1260 might and
13 you don't believe 1254 or any of the other lower
14 ones would?

15 A. Well, 1260 has caused cancer in rats
16 according to Dr. Kimbrel, who I quoted before as
17 saying that she had -- her statement was that PCB's
18 have caused no injury in workers, outside of
19 chloracne.

20 Kimbrel ran a bunch of rats with
21 1260. We also ran a bunch of rats for 1260. She
22 found what she claimed was a lot of cancers of the
23 liver in her rats. We didn't find any. We had a
24 meeting with Kimbrel and we said, "Look, here are
25 our slides. You give me your slides." So she took

1 our slides. Our meeting occurred in late '74 or
2 sometime in '74 and all this exchange of slides took
3 place after I left.

4 But, anyway, we sent her slides up to
5 the Eppling Cancer Institute in Omaha, Nebraska, and
6 they came back and said, "We don't agree with the
7 government pathologist." I don't recall seeing any
8 letter from Kimbrel saying that she did or didn't
9 agree with our finding of no cancers in our rats
10 that we fed the same doses.

11 There also was some Japanese work that
12 said that 1260 was carcinogenic in rats, so I go
13 along with probably it is an animal carcinogen and,
14 thus, might be considered a possible human
15 carcinogen, but there's a big jump from an animal
16 carcinogen to a human carcinogen, especially when
17 you aren't feeding this stuff every day for a
18 lifetime to humans.

19 Q. If we were to assume that 1260 could be a
20 carcinogen and 1254 definitely was not, do you have
21 an explanation for why 1260 might cause cancer if
22 1254 did not?

23 A. No, sir, I do not.

24 Q. Are you aware of any other persons,
25 Dr. Kimbrel or anyone else, who have suggested

1 theories for why that might be?

2 A. No, sir.

3 Q. In the 1950's and 1960's did Monsanto
4 conduct any studies to determine whether PCB's might
5 be carcinogens?

6 A. No, sir, we did have a cancer -- not on
7 animals. '50's and '60's?

8 Q. Yes, sir.

9 A. No, we did not. We did have a cancer index
10 where we charted all our cancers in our workers, and
11 there was no clustering of cancers in our PCB
12 employees.

13 Q. Just so I have it covered, I assume you did
14 not conduct any such studies prior to 1950 either,
15 did you?

16 A. No, sir.

17 Q. Did you conduct any after 1970?

18 A. Well, when you do a two-year testing on
19 rats, that's a cancer study. I mean it's an extra
20 dividend. You get your testing, the toxicology, but
21 you're also testing the lifetime of a rat.

22 Q. Did you, in your study in the late '60's,
23 early 1970's, your two-year study --

24 A. Did what?

25 Q. In your two-year study that took three

1 years to do, did you find any evidence that any of
2 the Aroclors were carcinogens?

3 A. We didn't, no, sir.

4 Q. Like to go back for just a moment and I
5 will tell you I'm confident we will finish you
6 today, though there are some topics that have been
7 raised it could be become necessary in the future --

8 A. A little louder, please.

9 Q. I'm sorry, I'm backing away from you and my
10 voice is softer. I will tell you, I'm confident we
11 will finish you today. There could be some things
12 that will arise that will cause us to call you
13 again. I hope we don't have to.

14 A. I'm available.

15 Q. I have just a couple of more areas to
16 review. First, let's go back to Anniston, Alabama,
17 in 1937. I would like for you to give me some
18 detail about what happened there, what you found
19 there and what you believe caused the problem
20 there.

21 A. Again now, you've gone from double
22 questions to three questions.

23 Q. I was thinking about adding a fourth and
24 decided to stop. Let's start with the first one.
25 What happened?

1 A. We start with what happened. What happened
2 in 1934 and 1935 before Monsanto bought it while it
3 was still Swann, S-W-A-N-N, two N's in Swann,
4 Chemical Company, they had a series of about 13 or
5 14 workers who developed chloracne.

6 There were other things occurring in
7 the product at that time. It was then a different
8 dielectric, different dielectric product. It was
9 off-color as far as the color was concerned and
10 eventually -- I have to jump forward. They found
11 that they were getting this benzene from a new
12 supplier and the specifications were off. They were
13 really looking for what's causing the failure in the
14 dielectric properties as well as the chloracne, but
15 they were putting out a poor dielectric, so they
16 went back to find out. And, incidentally, they
17 found that they had contaminants in the biphenyl
18 benzene.

19 Anyway, we sent these 13 people over
20 to Dr. Jones in Atlanta. He examined them, treated
21 them, and came out with a bunch of theories that
22 going on the history of Swann making it for X number
23 of years beforehand and making it after the episode
24 stopped, he was still treating these people for six
25 or eight months. He reported all this in the

1 Journal Of Dermatology or something like that, and
2 that's what happened there.

3 As far as Monsanto was concerned, I
4 first went to Anniston probably in '37. I saw some
5 of these cases and they had no liver involvement,
6 they had -- they were well, they were back at work
7 and they had very little residual scarring on their
8 face.

9 Since then, until I left, we had no
10 chloracne occurring at either our plant in East
11 St. Louis, also called Sauget, S-A-U-G-E-T, or the
12 Krummrich Plant, is spelled K-R-U-M-M-E-R-I-C-H,
13 Krummrich Plant.

14 As to the Krummrich Plant, we had no
15 chloracne. We've had no chloracne in our customers
16 with the exception of the Meigs article. And there
17 was one time early in, I think, in the '30's or
18 '40's where an outfit was making bellows
19 thermometers for ovens where you got something about
20 the size of an onion, sort of a leather pouch or
21 something that's supposed to do something with the
22 heat, hooks on to a thermostat. Well, these workers
23 had two woman who dunked their hands in there and
24 got chloracne, and on their arms, so I went up to
25 see them. That's the only one I've ever gone to see

1 because I was interested because this was news to
2 me. So I said, "Why don't you get some sort of a
3 gimmick that they don't put their hands in it and
4 pull these things out?" And they did and that was
5 the end of that.

6 So with that one exception of these
7 two girls, we've had no evidence of any chloracne
8 anyplace. So as far as we're concerned, that
9 Anniston thing was an aberration because of this
10 overspec benzene, some contaminant at that time.
11 The technology of looking for contaminants was
12 pretty hard.

13 Now, what was the number two
14 question?

15 MR. BAKER: The reason I asked them three
16 at a time so you could answer the way you did is I
17 think you already answered two and three. I was
18 just trying to make it easier on you.

19 (A brief recess was taken.)

20 Q. (By Mr. Baker) Just a couple of quick
21 areas to wrap up here. We talked about the Ad Hoc
22 Committee that was formed sometime in 1969. What
23 was your involvement with that committee?

24 A. Beg your pardon? Didn't hear the last.

25 Q. I'm sorry. What was your involvement with

1 the Ad Hoc Committee?

2 A. Well, Elmer Wheeler of our department was a
3 member, but I don't know if he was the chairman or
4 not, but he was very active on that committee.

5 Q. Were you involved in the decision to form
6 that committee, personally?

7 A. Well, I don't know if it was my -- if I
8 started it or Wheeler started it, but I said,
9 "Wheeler, you got to spread this work around, you
10 can't be doing all of it yourself." Well, no, I
11 think it was -- no, he recognized the fact that he
12 needed analytical people on it, he needed research
13 people on it, he needed marketing people on it and
14 maybe he needed a legal person on it, I don't know.
15 I don't know the number of who was on the committee.

16 MR. CHAMBERS: And I think the question was
17 about your personal --

18 Q. (By Mr. Baker) Whether you were personally
19 involved.

20 A. No. Answer is no.

21 Q. I will ask, among the things we've asked
22 for copies, Dr. Kelly has testified that he
23 testified in a deposition and at trial in the Stroh
24 case which involved Pydraul. I believe that was the
25 only case that he recalled testifying concerning

1 Pydraul.

2 Let me ask you, Dr. Kelly, if you
3 recall any other cases in which you testified on
4 behalf of Monsanto where Pydraul was involved?

5 A. That's about the only one I recollect. I
6 mean, I get mixed up in these cases, you know, in
7 the last five, six or seven years, but that's the
8 only one I remember.

9 MR. BAKER: Okay, I will ask if counsel is
10 willing to produce to us, at a reasonable fee,
11 copies of transcripts of his testimony in the Stroh
12 case, both his deposition and his trial testimony.
13 And if counsel is aware of any other cases in which
14 he testified concerning Pydraul, we'd ask also for
15 transcripts of that.

16 MR. CHAMBERS: All right, I understand
17 there have been some previous discussions about
18 doing that with others who have been involved and we
19 certainly would do with Dr. Kelly the same thing
20 that's been agreed to among the others.

21 MR. BAKER: I think what we agreed to on
22 Papageorge was that Mr. Monge would give us a list
23 of cases he testified in and we would submit a
24 particular request for the ones that we wanted,
25 rather than just trying to duplicate all of them.

1 THE WITNESS: Can I ask the defense counsel
2 to send me a transcript of the trial? I've never --
3 I have the deposition. I read that.

4 MR. CHAMBERS: Sure, sure.

5 THE WITNESS: But I don't recall the trial.

6 MR. BAKER: So what I'm specifically asking
7 this time is for his testimony in the Stroh case and
8 asking if he can identify the other Pydraul cases in
9 which he testified.

10 MR. CHAMBERS: I understand.

11 MR. BAKER: And I want to move here for
12 admission of certain exhibits. Because of the way
13 we've done it, you may want to go off the record and
14 check if any of these I've mentioned, you have
15 objections to admission at this time and I'll give
16 you my list if you would like.

17 MR. CHAMBERS: If we could do it that way,
18 that may just speed it up, if we go off the record.

19 (Discussion was had off the record.)

20 MR. BAKER: At this time we move admission
21 of the following Plaintiff's Exhibits: Exhibit 12,
22 13, 15, 17, 27, 28, 70, 76, 92, 93, 95, 96, 98 and
23 99.

24 MR. CHAMBERS: And we have no objection to
25 the exhibits except the following four exhibits.

1 Plaintiff's Exhibit 70, we would
2 object that this exhibit is not relevant since it
3 deals with a non-PCB product being used in an
4 application other than for hydraulic fluid purposes
5 or air compressor lubrication purposes.

6 We also object to Plaintiff's Exhibit
7 93 on the grounds that it is not relevant because it
8 deals with an application for PCB's other than their
9 use in air compressor lubricants or hydraulic fluids
10 like the Pydrauls were.

11 The third exhibit that we object to is
12 Plaintiff's Exhibit 98, and we object to this
13 exhibit on the grounds of relevancy, since it deals
14 with a non-PCB product in an application other than
15 use as a component of an air compressor lubricant or
16 a hydraulic fluid.

17 And the final exhibit that we object
18 to is Plaintiff's Exhibit 99, and we object to that
19 exhibit on the same grounds of relevance that we've
20 discussed with others.

21 MR. BAKER: With that said, I believe we
22 are finished with this witness at this time.

23 MR. CHAMBERS: Okay. I think we'll turn
24 you loose then, Dr. Kelly.

25 MR. CHAMBERS: You prefer to read?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE WITNESS: Oh, sure.

SIGNATURE OF WITNESS

R. Emmet Kelly, M.D.

Subscribed and sworn before me this 20th
day of November, 1992.

Josephine S. Niblock
Notary Public

My Commission Expires:

JOSEPHINE S. NIBLOCK
NOTARY PUBLIC STATE OF MISSOURI
ST. LOUIS COUNTY
MY COMMISSION EXP. JAN. 15, 1993



1 STATE OF MISSOURI)
) SS
2 CITY OF ST. LOUIS)

3 I, Richard L. Saunders, a Notary Public
4 within and for the State of Missouri, do hereby
5 certify that on October 6, 1992, at the office of
 Husch, Eppenberger, Donohue, Cornfeld & Jenkins,
 100 North Broadway, St. Louis, Missouri,

6 R. EMMET KELLY, M.D.,

7 who was by me first duly sworn to testify to the
8 truth and nothing but the truth concerning the
9 matters in controversy in this cause; that the
10 witness was thereupon carefully examined under oath
 and said examination transcribed into
 computer-assisted transcription under my
 supervision.

11 IN WITNESS WHEREOF, I have hereunto set my
12 hand and affixed my seal on October 20, 1992.

13 My commission expires August 26, 1996.

14
15 
16 Richard L. Saunders
17 Notary Public.

18
19
20
21
22
23
24
25